

IN THE COURT OF COMMON PLEAS
HURON COUNTY, OHIO

290296

1

KENNETH M. STRONG,
Executor of the Estate of
Dolores F. Strong
13 Townsend Avenue
Norwalk, Ohio 44857

Case No. CVA-92-866

Judge Phillip M. White

Plaintiff,

-vs-

DR. RONALD D. WINLAND
\$400 Olentangy River Rd.
Columbus, Ohio 43214

and

DR. EARL McLONEY
257 Benedict Avenue
Norwalk, Ohio 44857

and

NORWALK CLINIC, INC.
c/o Statutory Agent,
Cornelius J. Ruffing
25 Christie Avenue
Norwalk, Ohio 44857

Defendants.

COPY

The deposition of THOMAS ABRAHAM, M.D. taken under
the provisions of the Ohio Rules of Civil Procedure,
before Terri W. Sparkman, (CSR-2704), Certified
Shorthand Reporter and Notary Public, at 252 East
Lovell, Suite 358, East Medical Center, Kalamazoo,
Michigan, on August 11, 1994, commencing at 2:30 p.m.,
pursuant to Notice.

O'BRIEN & BAILS

APPEARANCES

IN BEHALF OF THE PLAINTIFF:

MICHAEL F. BECKER CO., L.P.A.
600 Standard Building
1370 Ontario Street
Cleveland, Ohio 44113
BY: JEANNE M. TOSTI

ON BEHALF OF THE DEFENDANTS:

JACOBSON, MAYNARD, TUSCHMAN & KALUR
333 N. Summit Street:
Summit Center
Suite 1600
Toledo, Ohio 43604-2619
BY: STEPHEN A. SRIVER, M.D.

INDEX

WITNESS: THOMAS ABRAHAM, M.D.

PAGE

Examination by Ms. Tosti

3

EXHIBITS

None submitted

PROCEEDINGS

MS. TOSTI: Would you swear the witness,
please?

THOMAS ABRAHAM, M.D.

having been duly sworn by the Notary Public to **tell** the
truth, the whole truth, and nothing **but** the truth, was
examined and testified as follows:

MS. TOSTI: We would like the record to show
that this deposition is being taken pursuant to Ohio
Rules of Civil Procedure, and that this is a discovery
deposition being taken pursuant to Rule 26 of the Ohio
Rules for purposes of discovery only, and under cross
examination to elicit expert medical opinion testimony
from Dr. Thomas Abraham, relative to this case.

As this deposition is taken by agreement of
parties, can we have a stipulation from defense that any
defects in notice or service or the use of a Michigan
court reporter is waived?

MR. SKIVER: Sure.

EXAMINATION

BY MS. TOSTI:

Doctor, would you state your full name and spell your
last name, please?

Thomas Abraham, A-b-r-a-h-a-m.

What is your business address?

1 A 252 East Lovell Street, Kalamazoo, Michigan.

2 Q Now, Dr. Skiver has provided us with a curriculum vitae,
3 and I would like to show you a copy of what I have. If
4 you would, tell me if this is current.

5 A This is current -- yes, it is. It is more current than
6 the one I have. This is current.

7 Q Okay. I would like to ask you a few questions about
8 your curriculum vitae. Would it be helpful to look at
9 this one?

10 A Sure.

11 Q You have indicated on your vitae that you did a
12 residency at St. Joseph Mercy Hospital, is that correct?

13 A That is correct.

14 Q What type of residency did you do?

15 A An internal medicine residency.

16 Q How long was that?

17 A Three years.

18 Q Also on your vitae you have indicated a fellowship at
19 the University of Arizona.

20 A That is correct.

21 Q What did that involve?

22 A Pulmonary medicine.

23 Q And below that there is listed a Parker B. Francis
24 fellow; what was that?

25 A That is a fellowship, basically funding a fellowship for

pulmonary research I did in my second **year**, part of my5
second year.

Q Now, under **board** certification you have indicated that
you **are board** certified in internal medicine?

A That is correct,

Q **And** you **have a** subspecialty certification in pulmonary
disease and also critical care?

A That **is** correct.

Q What is required in order to obtain **a** subspecialty
certification, **beyond** normal board certification in
internal medicine?

A A fellowship and then passing a subspecialty board **exam**.

! Is these a time period that you have to put in in **a**
fellowship that is required **by** the board before
certification, is it like **a number** of years, months,
certain amount of **research**?

Most pulmonary fellowships are two to three years, and
there are basic requirements, which I don't have off the
top of my head.

Q So the **two** fellowships that you served, or rather the
Parker B. Francis fellowship, would that be what then
qualified you to become certified in pulmonary disease?
No, the Parker B. Francis Foundation fellow was
concurrent with my fellowship in pulmonary medicine at
the University of Arizona. That was a source of **some** of

1 the funding and I did some of the research my second
2 year.

3 Q So that would have been what the board considered when
4 they were deciding if **you** could be certified in
5 pulmonary medicine, that particular fellowship?

6 A The fellowship in pulmonary medicine **at** the University
7 of Arizona is the important one.

8 Q How about for critical care, what **would** be the
9 requirements to become certified in critical care?

10 A At the time I took the **boards** you had to **have** a
11 fellowship in one of several subspecialties. It could
12 be pulmonary medicine, it could be cardiology, **and** then
13 pass **a** subspecialty board. Critical care was a **new**
14 specialty at that time with a **new** board.

15 Q **Are you** indicating that **you** took a test, then?

16 A Yes.

17 Q And then in addition you had completed a fellowship in a
18 subspecialty, and that qualified **you**?

19 A Correct, **plus** practice experience.

20 Q Now,,you are currently a Clinical Professor of Medicine
21 at Michigan State University, is that correct?

22 A Correct.

23 Q What are your duties and responsibilities in regard to
24 that particular professorship?

25 A Primarily to teach **medical** students, interns and

residents. I also coordinate the teaching curriculum in pulmonary and critical care medicine.

Q Do you do formal classroom teaching?

A Do some conference work and bedside round teaching,

Q But you don't have classroom responsibilities, as far as lecture and instruction?

A Correct.

Q As a coordinator of the pulmonary component of that program, what do you do?

A Basically I make sure that the material regarding pulmonary medicine is covered adequately and that the residents are getting appropriate experience, that the literature is updated, things like that.

Q You also note on your vitae that you are an instructor at Kalamazoo Valley Community College, and what are your duties and responsibilities in relation to that position?

A Generally I end up giving one or two lectures a year to the respiratory therapy students.

Q Have you ever lectured or taught on the subjects of congestive heart failure or pulmonary edema?

In our discussions in rounds we often talk about those issues.

Q This would be informal discussions, though?

Most of them informal discussions. I may have talked at

1 **a conference about** that.

2 **1** **Would** you have any outlines or notes from any of these
3 discussions or lectures that you produced?

4 **A** No, I do not. Most of them are done spontaneously.

5 **2** You **have also indicated that you have** some **research**
6 activities on your vitae, major interests, I believe,
7 major research **interests**?

8 **4** Most of those were back during my fellowship. I **have**
9 not really done any research as **a** practicing physician.

10 **2** So currently you are not actively involved in research?

11 **A** Correct.

12 **3** Have you in **the** past done any research on **the** subject of
13 congestive failure **or** pulmonary **edema**?

14 **4** No, I have not.

15 **2** Okay. Do you **have** any type of publications or papers
16 that are currently **being** prepared or **have** been submitted
17 for publication and have not been published?

18 **A** No, I do not.

19 **2** Have you **ever** had your deposition taken before, Doctor?

20 **A** Yes, I have.

21 **2** In what type of a circumstance?

22 **A** I have **had** several depositions in **cases** regarding
23 workmen's **comp** with patients of mine. I have also done
24 a few depositions regarding malpractice **cases**.

25 **Q** Where **you were** consulted as an expert?

1 A That is correct.

2 Q I will ask you some more questions about those in a
3 minute. The cases that you have acted a a medicolegal
4 expert, how many times would you say that you have done
5 that?

6 A I think to the best of my recollection I have done it
7 three times.

8 3 How many have you done in the last year, would you say?

9 A None.

10 3 Of *the* three that you have done, what was the breakdown
11 as far as for plaintiff or for defendant?

12 A All three were for the defendant.

13 3 Have you ever given testimony in any case similar to the
14 subject matter of this case?

15 4 No, I don't believe I have.

16 2 Have you ever testified in open court as a medical
17 expert?

18 4 One time.

19 2 And what type of case was that?

20 A That was a case involving a pulmonary embolism.

23. 2 And I take it -- was that one of the ones you had done
22 for a plaintiff or defendant in a malpractice case, or
23 was that one of your workers' comp cases?

24 A That was for the defendant.

25 2 Have you reviewed other cases for Dr. Skiver, other than

this one?

A One other case,

Q What type of case was that?

A That was the pulmonary embolism case.

Q Okay. **Have** you reviewed **cases** for anyone else at Dr. Skiver's law firm?

A No, I have not.

Q Do you provide your name to any of the national services available throughout the country that does medicolegal reviews, offering your services for a fee?

A No, I do not.

Q **How** were you first introduced to Dr. Skiver?

I met Dr. Skiver many years ago when I was a resident and he was a resident a year ahead of me.

Q **And** where was that?

At St. Joe's in Ann Arbor.

Q How long did you work with Dr. Skiver?

We overlapped for over a year, and I worked directly with him for a couple months, I think.

Q Was that the residency you served for your internal medicine --

A Internal medicine, that is correct.

Q Okay. How would you term your relationship with him?

A Professional.

Q Did you have any type of social relationship with him at

1 all as friends?

2 4 No, we did not.

3 2 Aft- r you finished your residency did you h ve any
4 further professional contact with Dr. Skiver?

5 A Not until that one case that he called me up about many
6 years later,

7 2 When did you do that case, that was within the last year
8 for Dr. Skiver, the pulmonary embolism case?

9 4 A couple years, two years ago. Was it?

10 MR. SKIVER: At least.

11 A Maybe more.

12 3 When were you first contacted by Dr. Skiver in regard to
13 this case?

14 A I can't remember the date.

15 ■ Approximately?

16 A A few months ago.

17 Q I believe that your report is dated April 11 of 94; is
18 that any help in determining when you were contacted?

19 A Probably a little before that.

20 Q Several weeks or months?

21 A Probably a few weeks before. He asked me to review the
22 case.

23 Q And when you were contacted, what assignment did
24 Dr. Skiver give you?

25 A He just asked me to review the case and tell him what I

I thought.

Q Doctor, what is your usual charge for doing a medicolegal review?

A 250 dollars an hour.

Q Have you ever been named as a defendant in a medical malpractice suit?

A Yes.

MR. SRIVER: Objection. Go ahead.

A Yes.

Q Is that suit currently pending --

MR. SRIVER: Just a continuing objection.

A There is a suit against our group that is currently pending.

Q Is there more than one suit?

A No.

Q Was that suit filed here in Michigan?

A That is correct.

Q In Kalamazoo?

A I think so, either Kalamazoo or a small outlying community.

Q Were you a treating physician in that case?

A No, I was not.

Q Consulting physician?

A No, I had nothing to do with that individual patient..

Q So in this instance, then, the suit is against the group

1 practice --

2 4 Correct.

3 A -- but not against you as an individual?

4 4 That is correct.

5 Q What is the subject matter of the suit?

6 4 They allege that an abnormal chest x-ray was not
7 appropriately followed up.

8 Q Do you know who the plaintiff's attorney is in that
9 case?

10 4 No, I do not.

11 Q Do you know the name of the plaintiff?

12 4 No, I don't. I don't know that, either. I have seen
13 it, but I can't recall it.

14 Q Have you authored or coauthored any medical journal
15 articles or textbooks chapters on the subject of
16 congestive failure or pulmonary edema?

17 A No, I have not.

18 Q Other than the papers and abstracts and monographs
19 listed on your vitae, do you have any additional
20 publications?

21 A No, I do not.

22 Q Of the ones that are listed under the bibliography on
23 your vitae, do any of these pertain to the subject of
24 congestive heart failure or pulmonary edema?

25 A No, they do not.

1 Q Have you **ever** submitted **any** articles for publication in
2 a journal of cardiology?

3 A No, I have **not**.

4 Q Doctor, **you** don't hold yourself out as a specialist in
5 the field of cardiology, do you?

6 A No, I do not.

7 Q **And** you have never completed a residency for
8 specialization in cardiology?

9 A No, I have not.

10 Q And you **haven't** done **any** research in the field of
11 cardiology or published in any recognized cardiology
12 journals, is that correct?

13 A That is correct.

14 Q Would you defer to the expertise of a **cardiologist** in
15 regard **to diagnosis** and treatment of cardiac conditions?

16 MR. **SKIVER**: Objection. Are you talking about
17 congestive heart failure, the subject of this **matter**, or
18 coronary artery **disease** or what?

19 MS. **TOSTI**: I'm speaking **in** general, with
20 regard to cardiac conditions in general.

21 MR. **SKIVER**: Any type of **cardiac** condition,
22 okay.

23 A Not all cardiac conditions.

24 Q There are specific cardiac conditions that you would
25 defer to a cardiologist?

1 A There are some that I might, yes.

2 Q In regard to congestive heart failure and pulmonary
3 edema, would you defer to the expertise of a
4 cardiologist in that area?

5 A In general not, unless there is some complicating issue
6 that I felt I needed a cardiologist.

7 Q Can you give me an example of what those complicating
8 **issues** might be?

9 A A person had severe valvular disease that might need
10 invasive diagnostic testing, something like that.

11 Q What have you reviewed for your deposition today?

12 A I have not reviewed anything specifically for the
13 deposition.

14 a In regard to this case have you reviewed the medical
15 records?

16 A I reviewed the medical records, yes.

17 Q **Have you** reviewed the depositions of **any** of the
18 defendants in the case or plaintiff's expert?

19 A I reviewed the depositions of the two defendants, the
20 plaintiff's expert and several nurses, I believe.

21 Q Have **you** reviewed the depositions of either the
22 plaintiff or the plaintiff's daughter?

23 A I have not reviewed those.

24 Q In your review of this case for Dr. Skiver, did you
25 refer to any textbooks or articles?

1 A No, I did not.

2 Q And I assume that is true for preparation for this
3 particular deposition, that you did not review any
4 textbooks or articles?

5 A That is correct.

6 Q Have you done any research into the medical literature
7 relative to this case?

8 A No, I have not.

9 Q Have you consulted with any other physicians in
10 preparation for this deposition, other than Dr. Skiver?

11 A No.

12 Q Do you have any personal notes or a personal file on
13 this case?

14 A No, I do not.

15 Q Is there a textbook that is considered to be the leading
16 textbook in the field of pulmonology?

17 A I don't think there is -- I don't consider any textbook
18 a leading book or authoritative.

19 Q Is there one that is used frequently or one that you use
20 in the clinical area in the teaching capacity that you
22 have?

22 A There is not one particular textbook that I use or
23 recommend.

24 Q You don't have a recommended text for the individuals
25 that are serving a residency in pulmonology?

1 A Well, we don't have **any** residents **or fellows** in
2 pulmonology, we have internal medicine residents, and
3 they generally use the textbooks of internal medicine.

4 Q What would be a couple of the ones you have seen used by
5 the doctors in your program?

6 MR. SKIVER: Objection, relevancy. Go ahead.

7 A Well, there are several textbooks of internal medicine
8 that are **available**. There is Harrison's, Cecil's.

9 Q Let me stop you, Doctor. Is there any in the field of
10 pulmonology, specifically pulmonology that are used?

11 A There are several textbooks in pulmonology that are
12 available. Frazier and Peret is one, and there is a few
13 other authors. There are several others. Baum is one.
14 There are several texts available.

15 Q Is there a text that is considered to be the leading
16 text in the field of critical care?

17 A There are several textbooks, none that I **know** of as
18 being necessarily a leading text or a **better** text.

19 Q Are there any that you refer to in your clinical
20 practice?

21 A There is none that I refer to on a regular basis. I
22 have looked at individual **books** occasionally.

23 Q **Can** you tell me what those **might** be?

24 A Would you believe me if I told you I don't recall the
25 authors. I usually go down to the library, and there

1 are **a couple** new textbooks **of** critical **care** I have
2 puffed out on occasion. I can't remember who the
3 authors are now.

4 Q That is **fine**, Doctor, if you can't remember, just tell
5 me you don't remember. We will **go** on to the next thing.
6 What medical **journals** do you currently subscribe to?

7 A I subscribe to Chest, the ~~American~~ Review of **Pulmonary**
8 and Critical Care Medicine **and** the New **England** Journal.

9 Q Do you have any association with the PIA Insurance
10 Company?

11 MR. SKIVER: Objection. Go ahead.

12 A **No**, I do not.

13 Q Are **you** or have you ever been an insured of PIA?

14 A No, I have not.

15 Q Prior to accepting this **case** for **review**, did **you have**
16 any contact with Dr. Winland or Dr. McLoney, did you
17 know them either professionally or **personally**?

18 A Not **at all**.

19 Q Doctor, **could** you tell me what congestive **heart** failure
20 is?

21 A **Congestive** heart failure is the inability of the heart
22 to **pump** blood adequately, leading to increased pressures
23 in the pulmonary vasculature.

24 Q **And** what are **some of** the factors that would put a
25 patient at **risk** for the treatment of congestive heart

1 failure?

2 A People develop heart failure -- one of the most common
3 is ischemic heart disease, coronary artery disease,
4 significant or severe valvular disease, chronic
5 obstructive pulmonary disease.

6 Q Any others that you can think of?

7 A Those are the major ones,

8 Q Would hypertension increase an individual's risk for the
9 development of congestive failure?

10 A Hypertension can, yes.

11 Q How about diabetes?

12 A Diabetes leads to an ischemic heart, so yes, that could.

13 Q How about electrolyte imbalance?

14 A I'm not aware of any electrolyte imbalance leading to
15 heart failure.

16 Q When a patient first starts to develop signs of
17 congestive heart failure, what are the ones that are
18 most commonly seen?

19 A Congestive heart failure can be manifested by shortness
20 of breath, edema, sometimes just chronic -- sometimes
21 fatigue can be a presenting sign of heart failure.

22 Q And then as the symptoms progress, are there any other
23 symptoms or signs that would become evident?

24 A Well, those can become worse. People sometimes will
25 wake up in the middle of night short of breath, and

1 those symptoms basically progress.

2 Q Is orthopnea associated or consistent with congestive
3 heart failure?

4 A Yes, orthopnea can be seen in congestive heart failure.

5 Q Are **rales** considered to be consistent with congestive
6 heart failure?

7 A It is consistent with. It is commonly seen in many,
8 many things, but certainly one of the things is heart
9 failure.

10 Q It wouldn't be unusual to find those symptoms we have
11 just discussed, though, in a patient with congestive
12 failure?

13 A No, it would not be.

14 Q Doctor, could you tell me what orthopnea is, what the
15 mechanism is that causes it?

16 A Orthopnea is shortness of breath in the recumbent
17 position. There is a couple different mechanisms. You
18 can have orthopnea based on pulmonary disease. When an
19 individual **lies** down, blood flow is redistributed to
20 areas in the lung that are poorly ventilated and they
21 become short of breath.

22 In congestive heart failure, similarly you can
23 have redistribution of blood to areas that are poorly
24 perfused -- I mean poorly ventilated, leading to
25 shortness of breath, and pulmonary artery pressures can

1 go up in the recumbent position.

2 Q Then when the patient goes into an upright position they
3 achieve some measure of relief from the shortness of
4 breath if they have orthopnea?

5 A Correct, either pulmonary or cardiac orthopnea can be
6 relieved in the upright position.

7 Q What is pulmonary edema?

8 A Pulmonary edema is the filling of alveoli, air **sacs**,
9 with fluid.

10 Q And how is it differentiated from congestive heart
11 failure?

12 A It is sort of the end stage of congestive heart failure,
13 end in the sequence of the things that happen,

14 Q So would it be fair to say that that congestive heart
15 failure and pulmonary edema are on a continuum, with
16 congestive heart failure leading into pulmonary edema at
17 the more advanced serious stage?

18 A That is correct, if you are talking about the cardiac
19 cause of pulmonary **edema**. There are non-cardiac causes,
20 but if you are talking about just the cardiac causes,
21 that is basically severe congestive heart failure with
22 flooding of the alveoli with fluid.

23 Q What are **some** of the signs and symptoms of pulmonary
24 edema?

25 A Severe shortness of breath, severe tachycardia,

1 sweating. Often **the** patient will be coughing frothy
2 sputum, sometimes **pink** colored.

3 Q Do you see wheezing with pulmonary edema?

4 A Occasionally see wheezing, yes.

5 Q What is the **cause** of the wheezing?

6 A It is thought that you have edema of the airways **and**
7 that can lead to some narrowing of the airways **and**
8 develop a wheeze. There are probably other mechanisms
9 involved.

10 Q Elements of bronchospasm?

11 A Edema of the airway leading to bronchospasm.

12 Q Would you agree that pulmonary edema is considered to be
13 a medical emergency?

14 A Carefully defined, not used in slang, but **as** sometimes
15 doctor's use it, **yes**.

16 Q And that it would require immediate intervention,
17 correct?

18 A Intervention of some type, yes.

19 Q And that a patient in pulmonary edema would require
20 close medical management?

21 A Require medical management. **What** do you mean by close
22 medical management?

23 Q That the patient would have to be watched very carefully
24 for any changes in condition, and monitored very
25 carefully to determine what the appropriate treatment

1 would be **for** the patient.

2 What do **you** mean by carefully? I'm **not** sure what you
3 mean by the term carefully.

4 ! Would you **agree** that this patient would need observation
5 on a continuous basis **if** they are in pulmonary edema?

6 MR. SKIVER: Which patient, a patient in
7 general?

8 ? A patient in pulmonary edema would require constant
9 observation to be watched for possible changes in
10 condition?

11 A I think the patient would need to **be** frequently
12 monitored by nursing **personnel**. How frequent would
13 depend **on** the severity.

14 ? Can you **give** me at least a gauge as to what you mean by
15 frequent?

16 A What do I **mean** by frequent?

17 ? Hourly?

18 A Hourly **may** be adequate, maybe more frequent than that.

19 ? Patients **that** have pulmonary edema can deteriorate very
20 rapidly, **can't** they?

21 A They certainly **can get** worse in a pretty rapid sate.

22 **Yes**, that certainly is possible.

23 Q How is pulmonary edema diagnosed?

24 A It is diagnosed clinically by the way I described **the**
25 symptoms. chest x-ray is helpful in providing **some**

1 information. Primary a clinical diagnosis.

2 Q Would blood gases be useful?

3 A Blood **gases** may or may not be abnormal. There is
4 nothing specific about blood gases that will tell you
5 someone has pulmonary edema.

6 Q Would a chest **x-ray** be the definitive test for
7 determining whether a patient has pulmonary edema?

8 A No, I don't think a chest x-ray is definitive, it is one
9 piece of information.

10 3 Generally how is pulmonary edema treated?

11 A It is treated with diuretics, reduce fluid, get the
12 individual to get rid of some fluid, Sometimes it is
13 treated with drugs to decrease the resistance. If there
14 is hypertension, that is treated. Primarily the initial
15 treatment is diuretics.

16 MR. SRIVER: **Just** for clarification, we are
17 talking cardiac here, correct?

18 MS. TOSTI: **Yes.**

19 Q As far as the diuretics, what type of diuretics are used
20 to treat pulmonary edema?

21 A Most any diuretic. Furosemide, which is Lasix, is one
22 of the more common ones. There are others.

23 Q How are those usually given, orally or IV?

24 A They can be given intravenously. Usually they are given
25 intravenously in the hospital setting.

1 } Is oxygen utilized in the treatment of pulmonary edema?

2 A In most cases oxygen is administered.

3 } What about cardiac monitoring?

4 A Cardiac monitoring can be used.

5 } Would you say that in most instances cardiac monitoring
6 is used in the treatment of acute pulmonary edema?

7 A In severe acute pulmonary edema, I think that is
8 probably true.

9 } In most instances of pulmonary **edema** that you have seen
10 clinically, is cardiac monitoring used?

11 A The way I use pulmonary edema, **edema** the way I described
12 it, yes.

13 } **And** is hemodynamic monitoring used in the treatment of
14 patients with pulmonary edema?

15 A It can be.

16 } **And** in most of the patients that you see clinically is
17 hemodynamic monitoring used in the treatment?

18 A NO.

19 } Would you agree that patients with pulmonary edema are
20 at **increased** risk for cardiac arrhythmias?

21 A I think that is true.

22 Q And that would include increased risk for ventricular
23 arrhythmias, wouldn't it?

24 A Any type of arrhythmia.

25 Q Would *you* also agree that ventricular arrhythmias can be

1 life threatening?

2 A I would agree with that.

3 Q So if a patient is diagnosed with acute pulmonary edema,
4 wouldn't it be prudent to place the patient on a cardiac
5 monitor to observe for arrhythmias?

6 A If the patient clearly had pulmonary edema by the
7 criteria I discussed, I think that would probably be
8 something 'chat I would do.

9 Q Now, Doctor, you are currently engaged in active
10 clinical practice, is that correct?

11 A That is correct.

12 Q How would you describe your current practice, is it
13 limited to any particular patient population?

14 A No, it is not limited by patient population. I do
15 pulmonary medicine and critical care medicine.

16 Q Do you do any general internal medicine?

17 A A smattering, usually by default. That is patients
18 without a general doctor I will do some of the general
19 medicine.

20 Q Is the majority of your practice confined to a critical
21 care unit?

22 A The majority of my practice is -- well, perhaps 30 to 40
23 percent of my practice is critical care, the other is
24 non-critical care pulmonary medicine, either the
25 hospital or the office.

1 Q So you would be **seeing** pulmonary patients both in your
2 office and also on a consult **basis** here in the hospital?

3 A Correct.

4 Q As far as the critical care patients, these are patients
5 that are hospitalized patients that you are caring for?

6 A In the critical care unit, **yes**.

7 Q What is the **size** of your critical care unit?

8 A We have eight beds in the Medical Intensive **Case**, we
9 also see some patients in the Cardiac Care Unit. I
10 think there are seven beds in the cardiac unit.

11 Q Do you have any management or administrative
12 responsibilities for the ICU?

13 A Not **directly**. My partner is the Medical Director of the
14 Medical Intensive Care Unit, so we fill in for him when
15 he is not around.

16 Q And how about with the cardiac unit, do you have any
17 management responsibilities?

18 A I have no management responsibility in the cardiac unit.

19 Q As far as the patients that you see in the coronary care
20 unit, are you called in these on a consulting basis, or
21 do you have any regular responsibilities as far as
22 overseeing patient care in that unit?

23 A Patients I **see** these **are usually** either patients I have
24 been called in to see in consultation or occasionally
25 one of my patients will be put up there for lack of a

1 **bed** someplace else.

2 Q Do you see patients with congestive heart failure
3 routinely in your clinical practice?

4 A Yes, I do.

5 Q How many patients in the last year have you had primary
6 responsibility for management and treatment of
7 congestive heart failure, just an estimate?

8 A A hundred.

9 Q Again, how many patients in the **last** year have you seen
10 and had primary management responsibility that have been
11 diagnosed with pulmonary edema?

12 A Oh, perhaps a dozen.

13 Q Now, these patients with pulmonary edema, were the
14 majority of them in the ICU that you are describing?

15 A The ones with pulmonary edema, the majority were in the
16 ICU, yes.

17 Q And the patients that you described with congestive
18 failure, were these hospitalized patients or were these
19 patients that you **were** seeing in your clinical practice
20 in your office, the majority of them?

21 A Well, congestive heart failure patients I see in the
22 office, I see some in the hospital. You know, **most** of
23 them are in the hospital, some I treat as outpatient.

24 Q Have you had any patients in your critical care unit in
25 the last month that have had congestive heart failure?

1 A I'm sure we have. I have been away for a **couple** of
2 weeks, but **we** almost always **have** somebody with
3 congestive heart failure.

4 Q **Would** these patients be on cardiac monitors?

5 MR. SKIVER: Objection. Go ahead.

6 A **All** of the people in the intensive **care unit** are on
7 cardiac monitors.

8 Q **And** would any of these patients have been managed
9 hemodynamically with Swan-Ganz **catheters**?

10 A It is not uncommon to have Swan-Ganz catheters in for
11 various reasons.

12 Q Doctor, how many cases have you managed where there was
13 congestive heart failure combined with dehydration **and**
14 electrolyte imbalance?

15 A Well, I see a lot of congestive heart failure and
16 electrolyte imbalances. I have seen a **few** people who
17 have come in dehydrated and developed congestive heart
18 failure.

19 Q When you say a few, can you put a number on that?

20 A That is hard.

21 Q More or less than five?

22 A Oh, more than that. Over the last year, ten, maybe. **It**
23 is hard to say.

24 Q Would you agree that early detection and diagnosis of
25 congestive heart failure increases the **success** of

1 cardiac asthma with a patient that has congestive heart
2 failure?

3 A Again, I -- it **is** not terribly common. It happens, I
4 see it every year a few times. It is **not the** most
5 common presentation of heart failure.

6 Q What are **rales**?

7 A Rales **are** sounds that are probably made by expanding
8 alveoli or actually the -- actually, that is a very good
9 question. There is a lot of debate as to what rales
10 are. People have been debating that since I was a
11 fellow, and what causes rales. It used to be thought it
22 **was** the opening of the **alveoli**, but it is probably not
13 **the opening** of the alveoli. It probably has to do with
14 stiff interstitium, the area between the alveoli. They
15 are crackly sounds that are heard in the lung,

16 Q And would you agree that it is not usual to find rales
17 in the chest of a patient that has congestive heart
18 failure?

19 A That is correct. Rales can be heard **very** commonly in
20 all sorts of disorders, heart failure is one of them.

21 Q Would **you** agree that in severe congestive heart failure
22 it is common to find rales in the chest?

23 A Yes, I would.

24 Q Infiltrations in the chest are usually seen on chest
25 **x-ray** before rales are actually heard in the chest,

1 treatment?

2 A I don't have any data to support that. I don't know of
3 any data that necessarily supports that.

4 Q What does dyspnea on exertion mean?

5 A Shortness of breath on exertion.

6 Q So it is short of breath or problems breathing that is
7 associated with activity, correct?

8 A Correct.

9 Q **And** this particular type of shortness of breath is only
10 seen when the patient is doing some type of activity,
11 and that when they are at rest they are not having any
12 difficulty breathing?

13 A By definition short of breath with activity is shortness
14 of breath with activity.

15 Q So it would be an intermittent type of breathing
16 problem?

17 A With activity.

18 Q Have you heard the term cardiac asthma before?

19 A Yes, I have.

20 Q Could you tell me what that means?

21 A Basically wheezing associated with heart failure.

22 Q And you have seen that associated with congestive heart
23 failure?

24 A Yes, it can be.

25 Q And **would you also** agree that it is not unusual to find

1 isn't that correct?

2 A Infiltrates before the rales?

3 Q Yes.

4 A No, I think that is incorrect.

5 Q So it is your opinion that rales **will** be **heard** before
6 you will **see** evidence of it on chest x-ray?

7 A I think that's true.

8 Q What is hypoxia?

9 A Low levels of oxygen in the blood.

10 Q And what is the effect of hypoxia on cardiac function?

11 A Hypoxia can depress cardiac function.

12 Q **And would you** agree that hypoxia increases the risk of
13 ventricular arrhythmia?

14 A Yes, I would.

15 Q **And would you** also agree that hypoxia can cause a
16 patient to become confused?

17 A That is possible.

18 Q Have **you**, in your practice, seen patients who have
19 developed hypoxia as a result of congestive heart
20 failure?

21 A Yes, I have.

22 Q Would you agree that when **a** patient has multiple risk
23 factors for congestive heart failure, and then develops
24 shortness of breath, rales and wheezing, that the
25 physician has a duty to rule out congestive heart

1 failure in that patient?

2 What do you mean by duty?

3 The standard of care would **require** that the physician
4 rule out congestive failure, if that patient has known
5 risk factors for congestive heart failure and is
6 exhibiting shortness of breath, **rales** and wheezing?

7 I think with shortness of breath, **rales** and wheezing the
8 patient needs to be evaluated. One of the things he
9 would look for is heart failure.

10 Q So that would be within the differential diagnosis that
11 the doctor **would** make?

12 A **with those symptoms, yes.**

13 Q And with multiple risk factors ~~for~~ congestive failure?

14 A I think that **is** part of it. With ~~or~~ without **risk**
15 **factors**, I think that is in the differential diagnosis
16 of those symptoms that *you* included.

17 Q So even without the risks factors, if the patient is
18 exhibiting those signs and symptoms you would rule
19 out --

20 A If we **are** talking about an 18 year old that is otherwise
21 healthy and has those symptoms, I guess I would put it
22 low on the list of things to look for. Again, as you
23 describe those symptoms, heart failure has got to be the
24 differential diagnosis.

25 Q Do you have an opinion **as** to whether Mrs. Strong had any

1 Q Do you **agree** that on admission to the hospital that
2 Mrs. Strong had to be hydrated cautiously because of the
3 **risk** of developing congestive heart failure **while**
4 undergoing treatment for her dehydration and electrolyte
5 imbalance?

6 A I think that is a fair statement.

7 Q So if Mrs. Strong developed signs of shortness of breath
8 and breathing difficulties after admission, CHF would
9 have to be included in the differential diagnosis **for**
10 that patient?

11 A I would agree with that.

12 Q And would you agree that if she developed those
13 symptoms, that Dr. Winland and/or Dr. McLoney would have
14 the duty to rule out congestive heart failure, if
15 breathing difficulties did arise?

16 MR. SKIVER: Objection. Go ahead.

17 A If she developed breathing difficulties, they had to
18 consider all possibilities, heart failure would be one
19 of them. I think they would need to proceed with their
20 best judgment based on the clinical picture.

21 Q Doctor, when **you** have a differential diagnosis, that **is**
22 a variety of diagnoses that could **apply** to a patient at
23 a particular time, and then isn't the doctor's usual
24 manner of proceeding to rule out the diagnoses and
25 finally arrive at the most likely one?

1 risk **factors** for congestive heart failure at the time of
2 her admission to Fisher-Titus **Medical** Center?

3 A She had mild coronary artery disease by a heart
4 catheterization that was **performed** a year or two before.
5 **So yes, she** had diabetes, she had peripheral. vascular
6 disease, **she** had hypertension.

7 Q Didn't **she** also have aortic stenosis?

8 A The report was mild aortic stenosis. I don't **know** if
9 that was considered by the cardiologist to be clinically
10 significant aortic stenosis. I believe that **it** was not.

11 Q So based on the risk factors that **you** have just
12 outlined, would you agree that Dr. Winland would have a
13 duty to watch **Mrs. Strong for** signs of congestive heart
14 failure after admission to the hospital?

15 A I think that he has a duty to observe her for many
16 things. One of the things he needs to consider is
17 congestive heart failure.

18 Q But in this instance, because of the risk **factors**, and
19 because she **was** at higher risk than an individual
20 without those risk factors, there was a duty to watch
21 very **carefully** for congestive heart failure in this
22 instance?

23 A **As** I said., I **think** the prudent doctor would observe her
24 and treat her -- observe **her** for many things, heart
25 failure being **one** of **them**.

1 A The **usual** thing to do is to examine the patient, assess
2 **the** information you **have**, and **based** on the information
3 **you** have make a decision on what the most **likely**
4 problems **are** and what the most likely diagnoses are and
5 **proceed** from **there**. You don't have to rule out every
6 **possible** diagnosis. You don't have to do **every**
7 conceivable test to rule something out.

8 Q Well, Doctor, when do **you** choose to do a diagnostic test
9 to rule out one of **those diagnoses** included in the
10 differential?

11 A When you make assessment of the patient based on your
12 observations and make a clinical decision on what the
13 appropriate action would be.

14 Q Now, would **you** agree that a chest x-ray would be helpful
15 in ruling **out** congestive heart failure as a cause of
16 breathing difficulties?

17 A I think **it** is helpful **at** times.

18 Q Isn't a chest x-ray the most frequently used initial
19 **diagnostic** study when a physician wants to rule out
20 congestive heart failure?

21 MR. SKIVER: Objection, calls for speculation.
22 Go ahead-

23 A I have not seen any studies that said that. It is
24 commonly **used**, but whether it is the **most commonly** used,
25 I don't know.

1 Q In your practice is the chest x-ray most frequently used
2 in initial diagnostic studies when a physician, or when
3 you want to rule out congestive heart failure?

4 A It may be **one** of the most **common ones**, yes. You are
5 talking about studies, other than examination?

6 Q I'm taking about diagnostic studies.

7 A Other than physical examination and history, x-ray is
8 **very common**, yes.

9 Q In your review of the records, were you able to
10 determine if Mrs. Strong had a history of congestive
11 heart failure prior to her admission to the hospital in
12 November of '91?

13 A I **saw** some comments **made** about possible heart failure in
14 the **past**. I had no objective data from the stuff that I
15 reviewed that there **was** heart failure.

16 Q Did you find any indication in the hospital report that
17 Dr. Winland changed or corrected his admission note or
18 progress note opinion that Mrs. Strong had a history of
19 congestive heart failure?

20 A I believe in one of the depositions, it may have been
21 Dr. Winland's, there was some discussion --

22 Q Doctor, I'm asking in regard to the hospital
23 records, if you found any discussion that he changed his
24 initial opinion from what he stated in his admission
25 note or **his** progress note?

1 A Opinion ragarding what, again? I'm sorry.

2 Q Regarding whether or not Mrs. Strong **had a** history of
3 congestive heart failure.

4 I can't recall if there was a change. I remember
5 reading **a** note saying she had to be hydrated carefully
6 because of history of failure. That is what I remember.
7 I don't remember if that was a change or not.

8 ! So **you** would agree that the medical record indicates,
9 the hospital record indicates that Mrs. Strong had a
10 history of congestive heart failure?

11 A I mentioned that note by Dr. Winland suggesting that she
12 had a history of it.

13 ? Do you have an opinion **as** to what point in time
14 Mrs. Strong began exhibiting signs and symptoms of
15 congestive heart failure?

16 A Well, **she --**

17 MR. SKIVER: Do you want to look at the
18 records?

19 A Let me take a look and see. I'm trying to think of the
20 time here. At 7 p.m. on the 28th Dr. Winland described
21 shortness of breath and hypoxia, chest x-ray which he
22 interprets as consistent with CHF. So certainly at that
23 point I **would** agree that she had CHF.

24 Q At any point prior to that, in your opinion, was
25 Mrs. Strong exhibiting signs of congestive heart

1 failure?

2 A She had intermittent signs of shortness of breath, which
3 conceivably could have **been** construed as **heart** failure.

4 I think ~~she~~ received **some Lasix** the night **before**, so it
5 **is possible** that **she** had **some** mild heart failure at that
6 time.

7 Q In your evaluation of the chart **was it** your opinion that
8 she **was exhibiting** signs of congestive failure prior to
9 that 7 p.m. **note** that you just indicated?

10 A Well, she had **some** signs that would **be** consistent with
11 failure **and** consistent with other things. I **think the**
12 doctor **on call** felt that she **may** have had heart failure.
13 I **assume that is** the **reason** he gave her **Lasix**.

14 Q And Doctor, if you **did** indeed **believe** that **she was**
15 exhibiting signs of congestive failure, wouldn't it have
16 **been prudent** to **order a** chest **x-ray** at that time to rule
17 out congestive failure?

18 A Not necessarily, depending on **the clinical situation**,
19 **what the patient** looked like, **your** examination of her,
20 et cetera.

21 Q Since you have the **medical records** in front of you, I
22 would like you to take a look at the **nurses' notes** on
23 the morning of November 27th, 1991. If you could, tell
24 me what **you** think was causing her dyspnea **early** in the
25 morning that the **nurses** are charting.

1 MR. SKIVER: At what **point in time**?

2 MS. TOSTI: I believe it is the initial note
3 the nurses write, where she states that the patient is
4 complaining of feeling short of **breath when** she exerts
5 herself.

6 MR. SKIVER: Is that at 0900?

7 MS. TOSTI: Yes.

8 A Well, I don't know what is causing **that**. She has a lot
9 of pain, I guess **as described**. I don't know for certain
10 what is causing that shortness of breath.

11 Q If Mrs, Strong had been having pain for several weeks
12 prior to admission **from** her herpes **zoster --**

13 A I **understand** she was having pain.

14 Q And at the **time** of admission, **was** there any indication
15 that **she** was having **shortness** of breath?

16 MR. SKIVER: Objection.

17 A I guess I would have to look **back** at the initial note,
18 if there was mention of shortness of breath at the time.

19 Is this 0900 on **the 27th**?

20 Q I believe it is **the morning of** the 27th.

21 A I don't recall **seeing a comment about** shortness of
22 **breath** on admission. I would have to **double check, go**
23 **through it, but** offhand I **can't recall** mention of that.
24 So, Doctor, if there is no indication in the chart that
25 she had shortness of breath on admission, and this is a

1 new finding, you would agree that this is a change in
2 her condition?

3 MR. SKIVER: Objection.

4 A If it really is a new condition. I mean, by definition
5 if it is **new**, it is new.

6 Q I would be **happy** to wait while you take a look at the
7 admission note and the emergency room record.

8 MR. SKIVER: That is assuming there are no
9 other -- I mean, the fact that she did not have chest
10 pain. You are just saying based upon the record.

11 MS. TOSTI: I am asking him the source of
12 complaint of shortness of breath, and if he has an
13 opinion. If he doesn't have an opinion, that's fine.

14 A I don't **know**. Based on the information I have at this
15 point in time, I don't know what was causing her
16 shortness of breath. It could be many things.

17 Q Doctor, I would like you to assume that she did not have
18 shortness of breath on admission, and there was no other
19 documentation in the hospital record up to this point
20 that she had any shortness of breath. If at this point
21 in time, at 0900 on the 27th, she is now starting to
22 complain of shortness of breath on exertion, would this
23 raise a level of concern that she might be developing
24 early signs of congestive heart failure?

25 A That could be one of the explanations for it, yes.

1 Q Doctor, take a look at the documentation on 1400 on that
2 same page.

3 A Okay.

4 Q I **believe** the nurses **have** written, complaints of feeling
5 wheezing and wheeze her in the left lower **lobe** on
6 osculation, Do you have an opinion **as** to what **was**
7 causing that particular problem?

8 A Again, a *lot* of things can be causing wheezing. **If you**
9 are trying to get at is this cardiac asthma, general
10 cardiac asthma is heard diffusely, not localized.
11 **Localized** wheezing **could be** due to a lot of different
12 things.

13 Q Okay. In **this** instance, and I **would like you to** assume
14 again that that wheezing was not present on admission,
15 **and** this was the first indication of wheezing, would
16 that raise a Level of concern that she might be
17 developing congestive heart failure?

18 A It might, it might. I'm not sure if that **would** be the
19 first things that I **would** think of, but it might.

20 Q I would like you to take a look at the note at 1530
21 hours, and the nurses have charted, complains of not
22 being able to breathe, wants her O2 repositioned **with**
23 the head of the **bed** in high Fowler's, states she was
24 better **able** to breathe now. Would you agree that that
25 is a description of orthopnea?

1 **A** I would agree with that.

2 **Q** And would you also agree orthopnea is consistent with
3 congestive heart failure?

4 **A** Yes, I would.

5 **Q** And would that raise your suspicion that she might be
6 developing problems with congestive heart failure?

7 **A** It would.

8 **Q** Doctor, did you have an opportunity to review
9 Mrs. Strong's intake and output records?

10 **A** Yes, I did.

11 **Q** And do you have an opinion as to whether or not she had
12 an excessive positive fluid balance?

13 **A** I think that is very hard to say.

14 MR. SKIVER: Wait a minute. At what point in
15 time?

16 **Q** How about on the 27th? I believe there is nursing
17 documentation --

18 **A** I will have to look at that specifically.

19 **Q** This is the page I am interested in. I believe it is
20 from the 27th.

22 **A** Well, it is difficult to say, because she is incontinent
22 of urine, so it is hard to know.

23 **Q** If you take a look at the nurses' notes from that day, I
24 believe that the nurses indicate one episode of
25 incontinency of a, I believe it is, small amount of

1 urine.

2 MR. SKIVER: Just to clarify a point. Are we
3 talking about the I and O for that date, or her general
4 fluid status considering all things?

5 MS. TOSTI: I would like to talk about the I
6 and O for that particular day.

7 MR. SKIVER: Okay.

8 Doctor, I would like you to assume that she was
9 incontinent one time of a small amount of urine, without
10 having it indicated as to what that small amount is.
11 Looking at this fluid balance, which the nurses have
12 charted an intake of 2,989 CCs, and an output of -- I'm
13 not sure if that is 450 or 460. Would that particular
14 fluid balance increase your suspicion, coupled with the
15 things that we just reviewed, in regard to the shortness
16 of breath that she had, that this lady might be
17 developing congestive heart failure?
18 I think that is one thing you would have to consider.
19 We don't know what the amount of incontinence is. She
20 was supposedly dehydrated by a couple of observations,
21 so she might very well be down a couple liters of fluid,
22 plus her insensible loss, which could be, if she is
23 breathing fast, could be as high as a liter. I think
24 you can just look at that, that looks like it is way out
25 of balance, but it may not be,

1 Q Take a look at her respirations that are charted for
2 that day, and the nurses have indicated **she** is running
3 between a respiratory rate of 16 to 20. Given that, and
4 the other information that you have reviewed on this
5 chart, looking at that fluid balance, and considering
6 that she may have had one episode of incontinency of a
7 small amount of **urine**, do you think that this fluid
8 balance is abnormal for Mrs. Strong?

9 MR. SKIVER: I'm going to object. If we are
10 talking about a point in time, or her general balance,
11 that is another question, so **we** can just clarify the
12 question.

13 Q I am speaking of the 27th, the fluid balance for the
14 date of the 27th, and whether seeing this **would** have
15 raised concern for this patient, considering that she
16 has had signs and symptoms of respiratory distress, and
17 in addition has a fluid balance of intake of 2989 and an
18 output of **450** or **460**.

19 MR. SKIVER: Objection to the words
20 respiratory distress. Go ahead.

21 A I guess I would **have** to know, and it is hard not having
22 **seen** the patient, **how** dehydrated I thought she was. If
23 I thought she **was** two to three liters down, then that
24 would not concern me at all.

25 Q I believe the emergency room physician described her as

1 mildly dehydrated.

2 A That could be a liter, with a liter of insensible loss,
3 that is two liters, so she has got almost three liters
4 in, 460 out, maybe she had another, I don't know how
5 much, five, six, maybe she had a total of five or six
6 out, so maybe a liter: or 500 CCs to the good. Maybe
7 that is not so bad, again depending on how dehydrated he
8 thought she was.

9 Q In your opinion, then, the discrepancy between the
10 intake and output on this particular day wouldn't
11 necessarily raise your level of concern that she might
12 be developing congestive heart failure?

13 A Not necessarily. Again, depending on my clinical
14 assessment at the time, which obviously I can't make
15 just by looking at the records.

16 Q Would you agree from your review of the record that Mrs.
17 Strong was alert and oriented at the time she was
18 admitted to the hospital on the 26th?

19 A That is what it says, yes.

20 Q And that during the morning and through the afternoon of
21 the 27th she continued to be alert and oriented?

22 A That is correct.

23 Q Do you have an opinion as to what caused her confusion
24 on the evening of the 27th?

25 A That is hasd to say. Confusion is very common in the

1 hospital in elderly people. **People** get confused when
2 they are in different environments. There is **a** slang
3 term that the residents **use** called sundowning. It is
4 not a term that I like to use, but residents use it. It
5 is basically something seen in patients when the **sun**
6 goes down. I don't know what caused her confusion at
7 this time.

8 Q You are talking like a translocation syndrome **or**
9 something?

10 A I have not used that term. I'm not **sure** what that
11 means.

12 Q **Mrs.** Strong didn't have that problem the previous night
13 on the 26th, is that correct?

14 A I don't know. I don't think she had that the night
15 before. I would have to **double** check. Whether she did
16 or not, again, it is hard to know exactly what caused
17 it. There are many causes of confusion, many possible
18 causes of confusion.

19 Q **And** she was admitted to the hospital a month **previous** to
20 this and didn't have any problems with confusion, based
21 on the **record**?

22 A I don't **know** that. I don't think I reviewed her
23 previous **admission**, the prior admission,

24 Q Doctor, if you had a patient that had previously been
25 admitted to the hospital a month before and had never

1 had any problems with confusion, and had come into the
2 hospital and spent at least one night there and didn't
3 have any problems with confusion, would **you still feel**
4 that this lady may still be having this sundown syndrome
5 that you described?

6 A That **is** possible, There are many possible explanations
7 for her confusion, as well.

8 Q But in this particular instance you don't have an
9 opinion as to what is causing her confusion?

10 A It could be many things. It could be her low sodium.
11 She had a sodium that is low, and that certainly could
12 explain it.

13 Q But, **Doctor'**, she **was** admitted with a low sodium and was
14 alert and oriented for more than 24 hours after
15 admission, so is it likely that that would have caused
16 her confusion?

17 A I think **so**, at night especially. She was alert and
38 oriented the next day, **too**, so you **have** to say what came
19 on and what went away. **She** was oriented, **she** was
20 confused, and she **was** oriented. Something went, came on
21 and then went **away**. It is hard to tell what that was.

22 Q At what point are you saying **she** was oriented?

23 A There are notes, I believe, by the physician saying she
24 was alert. It says alert and confused at times.

25 MR. SRIVER: That is on the 28th?

1 A On the 28th.

2 Q If you take a look at the 'nurses' notes, the **nurses**
3 chart throughout the day that she is confused, **she** is
4 seeing things, she **is** talking out of her **head**.

5 MR. SKIVER: Which date **are** we talking about?

6 MS. TOSTI: On the 28th.

7 MR. SKIVER: **What is** the question?

8 MS. TOSTX: Dr. Abraham indicated she had an
9 episode of confusion and **the** following day she **was** alert
10 **and** orientds. **I'm** saying I don't find that in the
11 record, and I'm saying **I would** like him to show me where
12 there is indications that this lady was back to being
13 herself.

14 MR. SKIVER:, **The** record indicates **confused** at
15 times, which of course means she was alert at times.

16 MS. TOSTI: **I'm** looking at the **narrative**
17 notes.

18 MR. SKIVER: **We** are talking the 28th, the next
19 day.

20 MS. TOSTI: You **are** looking at a one time
21 assessment --

22 MR. SKIVER: Your question was **show** you **some**
23 point in the chart, and here is a point in the chart.
24 **We** can banter back and **forth**, but --

25 A **I guess** I would say her confusion **seems** to be

1 intermittent.

2 Q Would you agree that after she developed the confusion
3 on the 27th, that she continued to be confused, at least
4 intermittently, after that?

5 A Intermittently, and by definition she **was** not confused
6 intermittently. (**sic**)

7 Q Is there any clinical significance to a patient that is
8 observed picking at the air with their eyes closed?

9 A Well, I have seen that in alcohol withdrawal, but I
10 don't think that was the case here. I don't **know** of
11 anything specific, other than the individual being
12 confused. Almost anything that causes neurologic
13 dysfunction could do that.

14 Q Including hypoxia?

15 A It is possible.

16 Q Have you been told by anyone whether or not Dr. McLoney
17 was the individual that ordered nasal oxygen for
18 Mrs. Strong on the afternoon of the 27th?

19 A I believe I **saw** an order for oxygen. I can't recall who
20 it **was** that ordered it offhand. Is there an order?

21 Q I was just wondering if you had been told in your review
22 of the case as to who wrote that order.

23 A No.

24 Q When Dr. McLoney was called on the evening of the 27th
25 at 10:35 at night, do you have an opinion **as** to whether

1 his actions that were taken at that time, **if they** were
2 appropriate?

3 A Let **me** just **double** check **and** make sure **which** actions we
4 **are** talking about.

5 Q I **believe** the nurses gave **him** a call, **it was** about 10:30
6 at night, **and** then he gave **some** telephone orders,

7 A I'm not sure I know which orders **you** are referring to.
8 The orders were discontinuing the Vicodin, **it looks**
9 like, and starting Darvocet. She was taking Vicodin,
10 and certainly codeine can cause confusion, **so** I think
11 that was an appropriate thing to do, stop the Vicodin.

12 Q Do you know when the last **dose** of Vicodin **she** had was?

13 A I would have to look. You **probably** know that.

14 Q **Is it** likely, if she hadn't had that in the **last** six
15 hours, that **it** was causing her confusion?

16 A Since the confusion **was** so intermittent, I think **it is**
17 certainly possible the Vicodin **could** be contributing to
18 **it**, even if **it** had been given in a few hours.

19 Q Do you think at 10:30 at night that Dr. McLoney should
20 have **come** in and taken a look at **Mrs.** Strong, rather
21 than assessing **her** over the telephone, based on the
22 nurse's information?

23 A **Well**, that is always a difficult: decision to make.

24 Depending on the nurses that you are dealing **with**, and
25 **the** information you are getting, things can often **be**

1 handled over the phone. It is a judgment call. A lot
2 of it depends on the doctor's understanding of the
3 nurses and the ability of the nurses to assess and his
4 trust of **the** nurses. It is hard to say. It is a
5 judgment **call** that he made based on the information he
6 got from the nurses.

7 Q Would you agree that it is important for a doctor to
8 know that a patient has risk factors for congestive
9 heart failure before ordering any type of medication for
10 the patient?

11 A **Any** type?

12 Q Yes.

13 A No.

14 Q In this instance do you think there is any problem with
15 changing the medications of Darvocet and Vicodin without
16 knowing that this patient also had risk factors for
17 congestive heart failure and had **been** exhibiting
18 breathing problems during the day?

19 A I see no problem with discontinuing the Vicodin and
20 starting the Darvocet.

21 Q Given the fact this lady had exhibited breathing
22 problems through the day, do you think that Dr. McLoney
23 was correct to make assessments over the phone, rather
24 than coming to **see** this patient?

25 Again, that is a judgment **call** that he made based on his

1 **knowledge of the nurses.** That is a judgment call **we** all
2 have to make. I don't know the nurses, I don't know the
3 quality of the work **they** do. I **assume he** was satisfied
4 with that, so I would have to go along **with** that.

5 Q If you **were** managing this patient, would you have done
6 anything differently?

7 A That is **very** hard to **say**.

8 Q In regard to **this** particular **instance**.

9 A Is **this** particular instance?

10 Q **Yes**.

11 A Again, depending on -- **some of our nurses are** very good
12 and I **would** get enough information over the phone, then
13 I think I probably could manage that problem over **the**
14 phone, A lot of **times the nurses** will tell me they
15 think I **need** to **come** in and see **this guy**, he is not
16 looking good. You **rely** a lot on the nurses if **you** know
17 them well, rely a lot on them.

18 Q I would **like** to you take a look at **the** nurses' notes
19 **from** November 28 at 0400.

20 MR. SRIVER: Let's make sure **we have** the same
21 page **here**, Jeanne. How does it start off?

22 MS. TOSTI: I may have **the** wrong number. It
23 **is** the morning of the 28th.

24 Q Doctor, I **would** like you to take a look at the nurse's
25 note **written** on **the** morning of 11-28 at 0400.

1 A Okay.

2 Q There **is** an indication there, I think in the second line
3 of that note, that says restlessness accompanied with
4 shortness of breath.

5 A Okay.

6 Q Would you agree that this is **consistent** with a patient
7 that may **be** having dyspnea on exertion?

8 MR. STRIKER: Dyspnea on exertion?

9 A Yes.

10 MS. TOSTI: Yes.

11 A I **don't** know if there is much exertion there, but
12 certainly **it** is consistent with dyspnea, because she is
13 short of breath. **She** is in bed, right? I **don't** think
14 she is really exerting herself **much**.

15 2 Accompanied with **her** restlessness?

16 A That is usually not what I think of as exertion when I
17 talk about dyspnea on exertion. I wouldn't argue she is
18 **short** of breath.

19 2 I would **like** you to take a look, I think **it** is on the
20 next page of those notes, **for** 11-28 at 0603.

21 A Okay.

22 2 Do you **have** an opinion as to what was causing Mrs.
23 Strong's confusion, agitation and shortness of breath as
24 documented by **the** nurses at that time?

25 A 6:30 in the morning? **Well**, again, many things could be

1 contributing to it. Electrolyte disturbance, **drugs**,
2 possibility of congestive failure could be leading to
those symptoms.

4 Q Doctor, when you **say** drugs, I would like to know
5 specifically what drugs we are talking about.

6 A Like Vicodin, which was the -- I **don't** know the last
7 time **she** got it, but -- **she** had Demerol and Vistaril
8 earlier, and I don't know if she had any of that.
9 Certainly pain can cause a little confusion at times, as
10 well, There could be **a** multitude of factors. It is
11 hard to be certain which one, or pin down any one
12 particular factor that was totally responsible for those
13 symptoms.

14 Q To your knowledge did she receive any type of pain
15 medications during that night, from 11-27 to the morning
16 of the 28th, any medications that would contribute to
17 her shortness of breath or her confusion?

18 A Let me look here. It is hard to read the dates.

19 MR. SKIVER: If you have a sheet that applies,
20 Jeanne, it might be helpful.

21 This one, I believe, **is** for the 27th, if you look at the
22 top of the page. I believe this is the 28th, because
23 this is the day she --

24 MR. SKIVER: Why don't you just flip it
25 around? That might be easier.

1 A This is the 28th, this is the 27th?

2 Q Yes.

3 A That is **Vicodin**, that is Vicodin, and **she** got some at
4 whatever time this is. What time is that?

5 Q Nine o'clock at night, 2100 hours.

6 A That is what those numbers mean? All right. She got
7 that at 2100.

8 MR. SRIVER: You **have** to go to **the** next page
9 to find out the next day.

10 A I guess that is the last one I can see that Darvocet --
11 Darvocet actually occasionally **can cause** confusion, I
12 think. It is probably not as common as **with** some
13 others, but I think it can. She got some Valium at **six**,
14 and conceivably **that** could do **it**, **as** well,

15 Q That **was** in response to the **call** to Dr. McLoney.

16 A Okay. At **five** o'clock the Darvocet could have
17 conceivably contributed. There are a lot of
18 contributing factors. I'm not sure you can say any one
19 thing for certain caused it.

20 Q Would you agree looking at the nurses' notes, that from
21 the 27th to **the morning of the 28th that there** appears
22 **to** be some type of deterioration in Mrs. Strong? The
23 evening before she starts out alert and oriented,
24 becomes slightly confused, and during the night **we** have
25 a patient that is having nightmares and continues to

1 have respiratory difficulties that are charted by the
2 nurses?

3 A Yes, she certainly is having trouble that night.

4 Q And we get to a point when the nurses call Dr. McLoney
5 again at six o'clock in the morning, telling him **she** is
6 confused, she is agitated, and **she** is short of breath?

7 A Right.

8 a Given that progression of symptoms -- would you agree
9 there is a progression of symptoms?

10 A There appears to be, yes.

11 Q Would you agree that this should raise the level of
12 suspicion that this lady may be developing congestive
13 heart failure?

14 A I think that would be one of the things you would have
15 to consider strongly, **yes**.

16 Q You would agree that Dr. McLoney should have been
17 considering congestive heart failure in his differential
18 diagnosis at six o'clock in the morning when he was
19 called?

20 4 I think **probably** he did. Yes, I would agree with that.

21 2 Do you have an opinion, and you may want to look at Dr.
22 McLoney's orders from six o'clock in the morning on the
23 28th, do you have an opinion as to **whether** or not
24 Dr. McLoney at six o'clock met the standard of care in
25 regards to his actions after those symptoms were

1 reported to **him**?

2 A Well, he gave her Lasix, which I think is appropriate,
3 and I have no problem **with** that. The Valium, **you** could
4 argue whether that needed to be **given** or not. At two
5 milligrams, I don't think that is a big **issue**, I think
6 he must have been considering heart failure, because he
7 gave her a diuretic.

8 Q If he was considering heart failure, wouldn't it have
9 been prudent at that point to order a chest x-ray for
10 this patient?

11 A Not necessarily so. If he **was** considering heart failure
12 and felt that was a likely diagnosis, I think it is
13 reasonable to treat her with **a** diuretic and see what
14 kind of results she had.

15 Q Considering this lady was at risk for congestive heart
16 failure, and Dr. Winland had said she had to be hydrated
17 very carefully, once she started developing these
18 symptoms of shortness of breath, wouldn't it have been
19 prudent of Dr. McLoney at that **time** to order a chest
20 x-ray?

21 MR. SKIVER: Objection, asked and answered.

22 A I'm agreeing that he probably thought she had heart
23 failure. The diagnosis he ~~made~~ is certainly the
24 diagnosis he treated. I'm ~~not~~ sure an x-ray would have
25 added a lot to that. If she didn't respond by

1 improving, that is something that the next step might
2 have been to get an x-ray.

3 Q Lasix is **a** potent diuretic, right?

4 A That is correct.

5 Q And if Lasix is indicated, doesn't that mean that
6 Mrs. Strong was in fluid overload at that point?

7 A Well, I think that is probably what he was concerned
8 about, heart failure, and that is why he gave the Lasix.

9 Q Do you have an opinion as to **why** this Lasix was given IV
10 rather than P.O.?

11 A I think most people in the hospital give it IV. It
12 works **faster**, it is probably more effective when given
13 as IV.

14 Q Because it was given at that time, would that be an
15 indication that this was the type of situation that
16 needed to be treated immediately.

17 MR. SKIVER: Objection, calls for speculation.

18 A I don't know what was in his mind at the time. I think
19 once he made the diagnosis, he wanted to treat it
20 properly,

21 Q Would you agree that in this instance there **was** an
22 urgent need to give Lasix?

23 I think it needed to be given promptly, and I believe
24 that is what happened, .

25 Q And what type of a response did Mrs. Strong **have to the**

1 Lasix that was given, if you know?

2 A I understand she clinically improved, from my
3 recollection of reading the nurses' notes. At 6:30 she
4 **was** resting more comfortably, color pink, skin warm and
5 **dry**, breathing easier, O2 continued. So she seems to
6 **have** responded clinically to that.

7 Q So would that be an indication, then, that Mrs. Strong
8 likely was developing congestive failure, and that the
9 Lasix relieved some of the fluid load and improved her
10 condition?

11 MR. SKIVER: Objection.

12 A It could be that, it could be that **the** confusion **was**
13 maybe due to something else, and the Lasix was
14 irrelevant. **It** is hard to know for certain. She got
15 the Lasix and she seemed to improve, so that would
16 probably maybe reinforce **a** diagnosis of heart failure.

17 Q Isn't it likely that if a chest x-ray was done that
18 **morning** at 6:30, that there would have been evidence of
19 congestive heart failure on it?

20 MR. SRIVER: Objection.

21 A I don't know what the x-ray would have shown.

22 Q But, Doctor, isn't it likely it would have shown
23 congestive heart failure, **given** the improvement in her
24 symptoms after the Lasix?

25 MR. SKIVER: Objection, asked and answered.

1 Go ahead.

2 A I can't **say** it is likely, I don't **know** what it likely
3 would have shown.

4 Q I would like you to take a look at Dr. Winland's orders
5 that he wrote on the morning of the 28th, I think it is
6 at about 8:30 in the morning. They are not timed, **but**
7 I believe he was in in the morning.

8 A 11-28?

9 Q Right, the ones immediately after the telephone orders
10 that were given by Dr. McLoney.

11 A Okay.

12 Q Now, on the morning of the 28th were Dr. Winland's
13 orders, to restrict fluids to 500 CCs **a** shift and to
14 reduce the IV rate to **30** CCs an hour, an appropriate and
15 adequate response to Mrs. Strong's condition?

16 A I think so, yes.

17 Q **And** should congestive heart failure have been in Dr.
18 Winland's differential diagnosis at that point in time?

19 A I suspect that it was, based on these orders.

20 Q Why, in your opinion, if **you** have an opinion in regard
21 to this, did you think Mrs. Strong needed **a** fluid
22 restriction?

23 A Well, as we talked about treating heart failure, you
24 want **to** get rid of fluid, so the less you put in the
25 less you have to **get** out. At this point if you felt she

1 was in failure, then the less you put in, the less you
2 would have to try to get out.

3 Q Would you agree that Mrs. Strong **was** at high risk for
4 complications if she did experience **a** fluid overload?

5 A I'm not exactly sure what you mean by that question.

6 Q That she would be at high risk for developing
7 complications if **she** should experience fluid overload?

8 MR. SKIVER: You just ~~reasked~~ the same
9 question,

10 A I don't understand what you mean by that question,

11 Q Would Mrs. Strong, given her risk factors that she came
12 into the hospital with, and given the fact that
13 Dr. Winland had reported that ~~she~~ had to be hydrated
14 cautiously, if this lady developed a fluid overload,
15 would she be at high **risk** for developing a complication
16 such as pulmonary edema or severe congestive failure?

17 MR. SKIVER: Objection, go ahead.

18 A I'm still not sure I understand what you are trying to
19 get at. He stated in his note that he thought **she**
20 needed to be hydrated carefully, and --

21 MR. SKIVER: If you don't understand the
22 question --

23 MS. TOSTI: Let me **rephrase it**, and I
24 apologize if it was inartfully phrased,

25 Q This particular patient, if ~~she~~ developed a fluid

1 overload, would have to be watched very carefully
2 because she has an increased likelihood of developing a
3 complication than someone who did not come into the
4 hospital with these same risk factors?

5 A What complications are you talking about?

6 Q complication of congestive failure, complication of
7 pulmonary edema, possible complication of arrhythmias.

8 A We are talking about heart failure. You are saying if
9 she developed a heart failure, is she at increased risk
10 for developing heart failure? It appears they are
11 treating what they suspect is heart failure.

12 Q Perhaps my phrasing pulmonary edema as a complication of
13 congestive heart failure is incorrect, then. If this
14 lady has already begun to develop signs of fluid
15 overload, this is something that would raise concern
16 about her condition and her treatment, would that be
17 correct?

18 A I think they are concerned, that is why they are
19 treating her.

20 Q Would you agree that because she has now had signs of
21 fluid overload, that this would be a lady that you would
22 have to watch very carefully, even more carefully than
23 what had happened **up** to this point in time?

24 MR. SKIVER: **Objection.**

25 A Well, it looks like she they had been watching her

1 pretty carefully, The **nurses had** been monitoring her
2 pretty frequently. I don't see that she needs to be
3 watched any more carefully. I think she needs to **be**
4 evaluated as her response to therapy and decisions made
5 as time **goes** on, **what** to do next. It looks like she **has**
6 **developed** heart failure and they are treating it.

7 Q Wouldn't the level of concern for this **lady be** increased
8 because of the fact we now **have** evidence of fluid
9 **overload?**

10 A I'm not sure what you mean by level of concern, I mean,
11 how worried the doctor **would** be? I'm not **sure** what you
12 mean by level of concern. I think they are concerned
13 and they are treating it, they **are** managing their
14 concern **by** treating it, I think, appropriately. I'm
15 **sure**, the nurses are **going** to watch closely, as they **have**
16 been doing the night before. I'm **not sure what** you mean
17 by concern. It **looks** like there is a lot of concern.

18 Q But would you agree at **this** point in time, from the
19 **orders** that Dr. Winland **has** reported, that **it** would
20 indicate that there was a concern for fluid **overload?**

21 A I **think** that is what **they** were trying to treat, yes.

22 Q And, Doctor, wouldn't a chest x-ray at this point in
23 time have been helpful in determining whether or not
24 there **was** any type of **congestive** failure that was of
25 significant levels of this **lady?**

1 A A chest x-ray might have **added** some information, it
2 might not have **added** any useful information. I'm not
3 **exactly sure** what it would have shown, *if* it would have
4 shown failure that would just confirm what they thought
5 was going on.

6 Q Doctor, do you have an opinion as to whether or not she
7 was **in** failure the morning that Dr. Winland **saw** her?

8 A I think she **was** probably in some heart failure the
9 morning **he** saw her, yes.

10 Q Now, in the nurses' assessment on the morning of the
11 28th, the nurses indicate that Mrs. Strong developed
12 some slight ankle edema, and I would like you to assume
13 that this was a **new** finding that she did not have at the
14 time of admission, or up until that point in time. **You**
15 would agree that this is a sign that is consistent **with**
16 congestive heart failure?

17 A **Yes**, I would.

18 Q Okay. The fact that she **is** exhibiting an additional
19 **symptom** of congestive heart failure, would that be an
20 indication that her congestive heart failure was
21 progressing to a more severe level?

22 A Well, if she didn't have it ~~when~~ she came in, and I
23 don't think **she** probably did, and she has it now, then
24 she has progressed from not ~~having~~ it to having it. **She**
25 **is** showing some of the signs of having it. The edema is

1 **probably** one of the first objective signs that **she had**
2 **heart failure, congestive heart failure, so yes, I think**
3 that is **a** sign of congestive heart failure.

4 ! Wouldn't this be **a** patient that you would want to put in
5 the intensive **care** unit **in order** to watch her carefully?

6 ! Not necessarily. I treat many patients with heart
7 failure **on** the floor.

8 ! With patients that also have dehydration as well **as**
9 electrolyte imbalance?

10 ! **Sure.**

11 ? Is **it your** opinion **this** lady could continue to be
12 treated on the **floor, based on** what **we have** discussed **so**
13 far in regard to the signs and symptoms **she** is
14 exhibiting?

15 ! I think so. Again, that is a judgment **call**, depending
16 on the **nurses** and the **level** of nursing coverage, et
17 cetera. Yes, I think taking care of heart failure on
18 the floor is perfectly legitimate. I don't know that
19 there is anything that could have been done in the unit
20 they don't do on the **floor.**

21 2 **What** methods **are** available to investigate or **evaluate**
22 whether **pulmonary** edema **is** present? How would you
23 determine **whether a** person was in **pulmonary edema?**

24 MR. SXIVER; **Are you talking about studies**
25 **or --**

1 Pulmonary edema **as** distinguished **from** congestive heart
2 failure?

3 Pulmonary **edema**,

4 Well, pulmonary edema, by definition, as I said, **is**
5 **alveolar** filling, so **a** chest x-ray is useful in that
6 respect, It can give you some suggestion of that.
7 Clinical exam, as I said before, **tachycardia**, profuse
8 sweating, severe dyspnea, frothy sputum, pink-tinged
9 sputum. Those are the things that are most commonly
10 used to diagnosis **pulmonary** edema.

11 2 Doctor, is it correct that you don't have to have all of
12 those symptoms present in order to have a patient in
13 pulmonary edema?

14 A Well, pulmonary **edema** is a pretty **specific diagnosis**,
15 and **it** really is the very end. stage of heart failure, so
16 most of those should be present to **make** the diagnosis of
17 pulmonary **edema** rather than just **simple** heart failure.

18 Q Did Mrs. Strong have all of those symptoms when **she** was
19 eventually diagnosed with pulmonary **edema**?

20 A I don't know. Well, after **her** -- I **don't** believe I **saw**
21 all those symptoms recorded. I would **have** to **look** back
22 and **see**, but I don't recall **all** those being documented,
23 I don't know if they were there and not **well** documented
24 or **she** just didn't **have all those**.

25 Q **Have** you seen patients **in your** clinical practice with

1 pulmonary edema that didn't have frothy sputum?

2 MR. SKIVER: Are we talking about cardiac
3 pulmonary edema or non-cardiac?

4 MS. TOSTI: Cardiac.

5 A Yes, but they also have many of the other things. You
6 don't have to have everything, but you need to have most
7 of those things. Pink, frothy sputum is common in
8 pulmonary edema. Tachycardia, diaphoresis, severe
9 dyspnea are all very common in pulmonary edema.

10 Q Have you also seen confusion with pulmonary edema?

11 A Sure, yes

12 Q And how about extreme anxiousness and agitation?

13 A Well, people can be very agitated when they are at that
14 stage, yes.

15 Q How quickly can pulmonary edema develop?

16 A Depending on the cause. If you have acute myocardial
17 infarction it can happen very, very quickly.

18 Q Doctor, are most patients that develop acute pulmonary
19 edema treated in an ICU setting?

20 A Acute pulmonary edema most of it is treated in the ICU.
21 By my definition of acute pulmonary edema, yes.

22 Q When you put a patient into the unit that has pulmonary
23 edema, what type of treatment is usually given to the
24 patient?

25 A Diuretics, afterload reduction, oxygen. Those are the

1 primary treatments. *Very* severe they may require
2 mechanical ventilation.

3 Q You are familiar with hemodynamic monitoring with Swan-
4 Ganz catheters?

5 A Yes, I am.

6 Q **Would you** agree that **hemodynamic** monitoring **can** give you
7 **some** very precise information ~~that~~ tells about the heart
8 function, such **as** measurements reflecting output and
9 **venous** pressures?

10 A Actually I think hemodynamic monitoring is over used and
11 can give you some misleading information. It can give
12 **you** some useful information, but can be misleading, as
13 well. When you **say very precise**, it **depends** on the
14 setting and who is using it, the experience of the
15 people with it. It can be very precise or can be very
16 misleading, but it **can give** you some information.

17 Q **Would you agree** that increased venous pressure and
18 changes in cardiac **output** are recognized much more
19 quickly when a patient is hemodynamically monitored, as
20 compared to someone who is not monitored
21 hemodynamically?

22 A Venous pressure and what?

23 Q Venous pressure and cardiac output, changes in cardiac
24 output?

25 A Right, if you don't monitor either one of those, cardiac

1 output or venous pressure, then you are not going to
2 know what they are, so that is true.

3 Q When **a** patient is at high risk for developing congestive
4 heart failure, you would watch for signs of decreased
5 cardiac output, isn't that correct?

6 MR. SKIVER: Are **you** talking about with **the**
7 use of a Swan-Ganz or just generally?

8 MS. TOSTI: Talking about generally.

9 Q Clinically, a patient at risk for --

10 A A patient's risk for decreased cardiac output are subtle
11 and often cardiac output isn't down in heart failure. A
12 low cardiac output **state** would not be something that I
13 would be looking for in somebody with acute heart
14 failure. People with very chronic end stage
15 cardiomyopathy might have **a low** output state. That is a
16 difficult thing to clinically assess. Cardiac output is
17 very difficult to clinically **assess** in most people.

18 Q You indicated that in treatment of patients with
19 pulmonary edema, one of the things you would be
20 concerned about would be afterload. **Are** most patients
21 that are treated in the intensive care unit for
22 pulmonary edema treated with Swan-Ganz catheter and
23 hemodynamic monitoring?

24 A No.

25 Q How are you making these determinations of afterload,

1 then?

2 A I said afterload reducers.

3 Q **You** are speaking of medications, then?

4 A Yes.

5 Q This would not be true of any type of readouts from
6 hemodynamic monitoring, based on that?

7 A Sometimes they are used. They are not always used, and
8 afterload reducers aren't always used.

9 Q In the majority of patients with acute pulmonary edema
10 initially, do you **use** in your practice hemodynamic
11 monitoring?

12 A I think initially in acute pulmonary edema, the answer
13 is no. I think most of the cardiologists here do not
14 put Swan-Ganz immediately in someone who is in acute
15 pulmonary edema. The first thing is it is hard to lie
16 them down to do it.

17 Q Do you think that once, in this case, Mrs. Strong was
18 diagnosed with pulmonary edema,.that she should have
19 been moved into the intensive care unit?

20 A When **a** diagnosis of pulmonary edema was made?

21 Q **Yes.**

22 A I think she **should be** moved into the intensive care unit
23 when her clinical situation warranted it, that is when
24 they couldn't manage her **well** on the floor. By my
25 definition of pulmonary **edema**, she probably should have

1 been in the intensive care unit. I didn't see all those
2 **signa** and symptoms in her, so I don't know if it was
3 necessary to move her into it. By my definition of
4 pulmonary edema, **yes**, most people are in the intensive
5 care unit.

6 Q When would a **Swan-Ganz** catheter be indicated for a
7 patient with congestive heart failure?

8 A I think **you** use a **Swan** when you have a question, that is
9 you need a question that **needs** to be answered with
10 hemodynamic monitoring. You don't know something, you
11 are having a hard time deciding whether they are in
12 failure or not, or you feel you do need to use afterload
13 reducers, and **you** want to **assess** the effect of it. You
14 have to **have** a specific reason to do it. You **don't** do
15 it just because somebody is in failure, just because
16 somebody is in pulmonary edema.

17 Q Would that same answer go for a patient of pulmonary
18 edema?

19 A Yes.

20 Q Now, in this instance, because **Mrs.** Strong had
21 dehydration as well as electrolyte imbalance, plus the
22 risk factors **we** discussed --

23 **MR. SKIVER:** At what point in time?

24 **MS. TOSTI:** At the point she is diagnosed with
25 pulmonary edema on the 28th --

1 MR. SKZVER: We were talking about being
2 dehydrated, we are talking about being fluid overloaded,

3 MS. TOSTX: Her admitting diagnosis was
4 dehydration and electrolyte imbalance. I think we have
5 discussed the fact there was evidence of fluid overload
6 on the morning of the 28th, and that Dr. Winland's and
7 Dr. McLoney's orders reflected a management of a fluid
8 overload problem.

9 Q Right, Doctor?

10 A Yes.

11 Q Given the fact we now have a patient that is in fluid
12 overload, exhibiting signs of congestive heart failure,
13 and also has an electrolyte imbalance, wouldn't this
14 patient be one that would benefit from management in the
15 intensive care unit with a Swan-Ganz catheter?

15 A No, I think the appropriate thing would be to treat her
17 with diuretics, and if there was a specific question, I
18 mean if the doctor really didn't know or if they didn't
19 know if she had heart failure, then it might be
20 appropriate. If they felt they were pretty clear she
21 had heart failure at that point in time, I think
22 treating it clinically is very appropriate. If problems
23 develop and it was unclear at some Later date if she was
24 responding to therapy appropriately, or these is another
25 question that needs to be answered, then that would be

1 the time to place a Swan. I always tell my residents a
2 Swan-Ganz catheter is never: an emergency procedure.

3 Q Just so I'm clear, it is your opinion, then, it was
4 within the standard of care to manage this lady on the
5 night of the 28th on a general medical floor, rather
6 than move her into an intensive care unit?

7 A Yes.

8 MR. SKIVER: You are talking about prior to
9 the arrest, of course?

10 MS. TOSTI: Right.

11 Q At the point when Dr. Winland had evidence of pulmonary
12 edema and arterial blood gases, but before her arrest.

13 MR. SRIVER: I'm going object to throwing in
14 he had evidence of pulmonary edema prior, because there
15 was no evidence of that prior to the arrest. His
16 diagnose in the chart was congestive heart failure.

17 A If you are using the term pulmonary edema based only on
18 the chest x-ray, I wouldn't agree with that.

19 Q Why don't you tell me what your disagreement is, then.
20 If there is evidence of pulmonary edema on a chest
21 x-ray --

22 A I don't think pulmonary edema is a radiographic
23 diagnosis.

24 Q Okay.

25 A I think x-ray is one piece of information. It is a

1 clinical diagnosis. A Swan-Ganz catheter can **give** you
2 information measuring the pressures, which would be
3 another piece of confirmatory evidence. I don't think
4 you need to do it right then. I don't think there is
5 any one **piece** of information that makes a diagnosis of
6 pulmonary edema. A chest x-ray is not solely a
7 radiographic diagnosis, and I would not accept a
8 diagnosis of pulmonary edema based solely on an x-ray.

9 Q But you agree that Dr. Winland at that point in time had
10 a diagnosis of congestive failure?

11 A Yes.

12 Q Do you believe patients with acute episode of congestive
13 heart failure should be placed on a cardiac monitoring
14 for at least 24 hours to determine if arrhythmias are
15 present?

16 A Again, that is a judgment call. I don't think it is
17 mandatory.

18 Q Did *you* have an opinion as to whether **Mrs.** Strong was at
19 increased risk for cardiac arrhythmias, once she was
20 diagnosed with congestive failure by Dr. Winland on the
21 evening of the 28th? I don't **know** that she had -- I
22 mean, there is always a risk for arrhythmias in people
23 with heart **disease**. Whether that required a cardiac
24 monitor or not, I'm not sure that absolutely was
25 required, to do a cardiac monitoring. Many people

1 would, and I certainly wouldn't argue with doing it.

2 Q Would most people?

3 A Well, I don't **know** if most people would. I think many
4 would.

5 Q What would the standard of care call for in this
6 instance?

7 A I think the standard of care would not mandate that she
8 be monitored at that point in time.

9 Q If the patient had a diagnosis of pulmonary edema,
10 should **the** patient **be** placed on a cardiac monitor to
11 watch for cardiac arrhythmias?

12 A Pulmonary edema, as I said, pulmonary edema by my
13 definition, is treated in the intensive care unit, and
14 **all** those people are monitored, so the answer is yes.

15 (Brief recess)

16 Q Doctor, do you have an opinion as to what caused
17 Mrs. Strong's arrest on the night of the 28th?

18 A Again, I don't know for **certain** what caused her arrest.
19 She may have **had** a myocardial infarction, **she** may have
20 had a pulmonary embolism. She **may** have had a cardiac
21 arrhythmia. **It is** hard to know exactly what caused it.

22 Q Mrs. Strong lived for about a month after the arrest,
23 and **was** treated in the hospital. Was there any
24 indication in the records ~~that~~ you reviewed that **she had**
25 a myocardial infarction?

1 A I didn't see any evidence regarding that, although I did
2 not see anything that ruled it out.

3 Q Did you take a look at any EKGs that were done post-
4 arrest?

5 A EKGs weren't helpful in diagnosing it.

6 Q Do you agree with what the death certificate indicates,
7 that the primary cause of death was anoxic
8 encephalopathy?

9 MR. SKIVER: Let me interpose a question here.
10 I don't think he had all the nursing home notes or
11 anything like that to review.

12 MS. TOSTI: Has he had the death certificate?

13 MR. SKIVER: I don't know if he has got the
14 death certificate.

15 Q I can show you the death certificate.

16 MR. SKIVER: As to what happened in the
17 nursing home, I don't think he has that.

18 A I think that is probably fair, You could argue cardiac
19 arrest first and anoxic encephalopathy is a complicating
20 factor of that, but I think that is reasonable.

21 MS. TOSTI: Off the record for a second.

22 (Brief discussion off the record)

23 Q Do you have an opinion as to what point in time, if any,
24 prior to her arrest, that Mrs. Strong's condition was
25 irreversible?

1 A Well, certainly her chronic disease was irreversible,
2 her chronic vascular disease, ~~her~~ coronary disease. So
3 the chronic disease I think is irreversible. The heart
4 failure sometimes can be reversible, and it can be
5 irreversible. I'm not exactly sure which condition you
6 are referring to.

7 Q I'm speaking of the congestive heart failure, and
8 whether you have a opinion, and if you don't that's
9 fine, if you have an opinion ~~as~~ to what point in time,
10 if any, prior to her arrest, that her condition was
11 irreversible?

12 A Well, sometimes congestive heart failure is never
13 irreversible. I don't know ~~at~~ any point in time. I
14 wouldn't be able to say at this point in time it was
15 reversible or irreversible.

16 Q **So** you do not have an opinion. ~~as~~ to whether or not
17 Mrs. Strong's condition was irreversible prior to her
18 arrest?

19 A By condition **you** mean congestive heart failure?

20 Q Yes.

21 A I don't know **if** that congestive heart failure **was**
22 reversible or not prior to ~~her~~ arrest.

23 Q If Mrs. Strong had not suffered a **cardiac** arrest, do you
24 have any opinion ~~on~~ **as** to her life expectancy?

25 A That is always very difficult to **say**. She has

1 significant chronic disease, and I would think that
2 **probably** her life expectancy would be fairly short. You
3 are always on thin ice when you guess **how** long people
4 are going to live, but I would say less than **five** years
5 would be a reasonable estimate.

6 Q What is the **basis** of that opinion?

7 Clinical experience.

8 Q Do you have any research that you can cite me to that
9 would support that?

10 Off the top of my head, no. There is some data that I
11 can remember from a while back suggesting that the
12 development of cardiac, heart failure, with significant
13 valvular **disease**, has a short life expectancy. I don't
14 **know** that her valvular disease was that severe. **People**
15 with diabetes and peripheral vascular disease and
16 coronary artery disease have a high risk -- have a high
17 mortality.

18 Q Do you know generally what a life expectancy would be
19 for a woman of Mrs. Strong's age and race?

20 A Are you talking about the standard life tables?

21 Q **The** standard life tables.

22 A Offhand, I don't know.

23 Q After **Mrs.** Strong's arrest, and the care she received at
24 Fisher-Titus, do you have an opinion whether she **was**
25 **able** to initiate any voluntary movements or communicate,

1 or whether **she** was aware of her surroundings?

2 From the notes that I read, and I didn't read those in
3 great detail, because I didn't think that was a **major**
4 focus, she **was** severely **impaired**, from what I
5 understand, and not very communicative.

6 Q If Mrs. Strong **was** able to follow simple commands and
7 initiate voluntary movements, would this be an
8 indication that she was conscious or aware of her
9 environment?

10 MR. SXIVER: Objection.

11 If **she** was able to respond?

12 Yes.

13 If she **was** responding in a meaningful way, that --

14 If she was following simple commands, that would be an
15 indication she was aware at least to some **degree** of her
16 surroundings?

17 To some degree.

18 Q Do **you** have any criticisms of the care that was given to
19 Mrs. Strong by Dr. Winland and Dr. McLoney?

20 A No.

21 Q Doctor, have we covered all of your opinions relevant to
22 the appropriate standard of care to be applied in this
23 case?

24 A I believe so.

25 Q Do you have any additional opinions that we have not

1 covered?

2 A No, I don't believe so.

3 Q Doctor, you had an opportunity to evaluate several chest
4 x-rays, is that correct?

5 A No, I have not seen any chest x-rays.

6 MS. TOSTI: You did make a request for chest
7 x-rays in this case.

8 MR. SRLVER: I don't think I sent them to him.
9 He hasn't seen them, I don't think. Obviously --

10 A No, I have not seen any x-rays.

11 MR. SKIVER: I don't know if we got them.

12 MS. TOSTI: I don't know if you got them,
13 either. I know that you requested them.

14 Q Do you have any opinions that Mrs. Strong had any other
15 clinical problems that are not documented in the medical
16 record?

17 A I have no knowledge of anything that is not documented
18 in the record,

19 Q Any pulmonary diseases from anything that you have read
20 in the record that you think she may have that are not
21 indicated in this record?

22 A I have no indication of anything other than what is in
23 the record, no.

24 Q Have you made any arrangement to testify in person at
25 the upcoming trial in this matter in Huron County?

1 A No, I have not.

2 Q Have you been asked to testify in person?

3 A No, I have not.

4 MS. TOSTI: I think we are done,

5 MR. SKIVER: Reserve signature,

6 (Deposition concluded)

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

CERTIFICATE

State of Michigan)
) **ss**
 County of Kent)

I, Terri W. Sparkman, CSR-2704, Certified Shorthand Reporter **and** Notary Public in and for Kent County, Michigan, do hereby certify that the foregoing deposition of **THOMAS ABRAHAM**, M.D., **was** taken before me at the time and **place** hereinbefore set forth, and that **said** witness was duly sworn by me to tell the truth and nothing **but** the truth, and thereupon was examined and testified as in the foregoing deposition appears;

That this deposition was taken in shorthand and thereafter transcribed by me, and that it is a true and correct transcript of my original shorthand notes.

I further certify that I am not counsel for or related to either of the parties to the foregoing entitled cause, nor employed by them or their attorneys; neither am I interested in the subject matter or outcome of the foregoing cause.

IN WITNESS WHEREOF, I have hereunto set my hand and seal this _____ day of _____, 1994.

Terri	Sparkman, CSR
Notary	Public in and for
Ionia	County, Michigan

My Commission expires January 9, 19