

APPEARANCES :**Ann M. Garson, Esquire**

DONNA TAYLOR-KOLIS CO., L.P.A.
1370 Ontario Street, Suite 330
Cleveland, Ohio 44113

On behalf of the Plaintiff

Peter N. Lavalette, Esquire

ROBISON, CURPHEY & O'CONNELL
Ninth Floor, Four Seagate
Toledo, Ohio 43604

On behalf of the Firelands
Community Hospital

James W. Hart, Esquire

FLYNN, PY & KRUSE CO., L.P.A.
165 East Washington Row
Sandusky, Ohio 44870

On behalf of SHAVINDER
Alehlualia, M.D.

Deirdre G. Henry, Esquire

WESTON, HURD-FALLON, PAISLEY & HOWLEY, L.L.P.
2500 Terminal Tower
50 Public Square
Cleveland, Ohio 44113-2241

On behalf of Han J. Lee, M.D.

- - - - -

11/5/98

a
2 SHAVINDER AHLUWALIA M.D., of lawful age,
3 a Defendant herein, called by the
4 Plaintiff as upon cross-examination,
5 pursuant to the Rules of Civil
6 Procedure, being first duly sworn
7 according to law, was examined and
8 testified as follows:
9

10 CROSS-EXAMINATION OF SHAVINDER AHLUWALIA, M.D.

11 BY MS. GARSON:

12 Q Would you state your name for the record, please?

13 A Dr. Shavinder Ahluwalia,

14 Q Okay. Dr. Ahluwalia, my name is Ann Garson, I'm here
15 on behalf of the Estate a Dan Lavelly. I need to ask
16 you some questions about the chart of Mr. Lavelly from
17 that April when you were involved in his care. I
18 trust that your attorney has already explained to you
19 that your answers need to be verbal for the Court
20 Reporter. Additionally, however, I would like to get
21 an agreement from you up front that if you answer a
22 question of mine, can we agree that you understood
23 the question?

 A I'll try the best I can.

 Q Okay. The converse of that is if you **don't**

understand my questions, either because of my language or the concepts or it just didn't make any medical sense, please let me know?

A I will.

Q Okay. And I will do my best to rephrase it. I'm not a doctor and sometimes I might ask questions that are convoluted or don't make any sense. Okay?

8 A Yes.

9 Q All right. I'm going to start off with a little b
10
11 have a copy of your CV in front of me. I don't want
12 to waste a lot of time, but I do need to get some
13 understanding of your training and educational
14 background. Can you start with your undergraduate
15 degree and take me through your medical education
16 including your Board certifications?

17 A I'm from India and I did my high schooling as well as
18 my medical schooling in India, and went to medical --
19 after my college degree I went to medical college at
20 Government Medical College, and the name of the town
21 is Patiala, that's in India. And then after
22 receiving my medical degree I came to the United
23 States.

24 Actually, before I came into the United States I
25 did two years of internship and residency in India.

1 And then I came to the United States and took my
2 ECFMG. And then I did a rotating internship at
3 Resurrection Hospital in Chicago. And then I went to
4 Grant Hospital in Chicago, did my family practice
5 training, and then I went to St. Francis Hospital in
6 Evanston, Illinois, and did my pediatric residency
7 there. And then I went to Loyola University in
8 Maywood, Illinois and finished my pediatric residency
9 over there.

10 Q Okay. Are you Board Certified?

11 A No, I'm not. I am Board Eligible

12 Q In what?

13 A Pediatrics.

14 Q Board Eligible in Pediatrics?

15 A Uh huh.

16 Q And not Board Certified in any other areas?

17 A No.

18 Q When did you obtain your license to practice
19 medicine?

20 A 1976.

21 Q Okay. So when did you finish at Loyola?

22 A 1979.

23 Q Okay. And what was your residency that you did
24 there?

25 A Pediatric residency.

1 Q After your Pediatric residency in 1979, what did you
2 do professionally?
3 A I went into practice.
4 Q you went into practice, into private practice?
5 A Yes, it was private practice.
6 Q Okay. Where?
7 A At Crystal Lake, Illinois.
8 Q Okay. And tell me about that private practice so I
9 don't have to ask you a million questions. Tell me
10 about the kind of office that it was, how many people
11 were there?
12 A Over there, I had two employees. I was just starting
13 out and did my family, I practiced there for about
14 four years, four and a half years.
15 Q Were you the sole --
16 A I was sole practitioner.
17 Q And how long did you do that?
18 A Four and a half years.
19 Q And what kind of a practice was it, pediatrics?
20 A Pediatrics as well as family practice.
21 Q Okay. So you did that for four years, then what did
22 you do?
23 A Then we moved to Sandusky.
24 Q Okay. What was the reason you moved to Sandusky?
25 A We have family in Cleveland and Toledo, and that was

- 1 the reason we moved here.
- 2 Q Okay. So that was 1983, approximately?
- 3 A '85.
- 4 Q '85, you came to Sandusky. What did you do when you
5 got here?
- 6 A I have been practicing here since then.
- 7 Q What kind of a setting?
- 8 A Well, I was in a solo practice until two years ago,
9 and then I joined my husband and other physicians.
- 10 Q Okay. You had a solo practice until 1995?
- 11 A Uh huh,
- 12 Q All right. And was that just an office of your own?
- 13 A Yeah, office of my own.
- 14 Q Were you affiliated, at the time were you affiliated
15 with any particular hospital?
- 16 A I was **affiliated** with **Providence** Hospital here **in**
17 Sandusky, as well as Firelands Community Hospital.
- 18 Q Okay. When you say affiliated, what does that mean?
- 19 A **Minimal active staff there at the hospital.**
- 20 Q Okay. Did you have any teaching responsibilities at
21 Firelands or Providence Hospitals?
- 22 A No, I did not have any teaching responsibilities.
- 23 Q Do you now?
- 24 A No, I don't.
- 25 Q Do they have a residency program?

1 A Well, at Firelands they have some internship, you
2 know, and sometimes some of the students in the
3 residency do come along, but I'm not doing too much
4 of the teaching.

5 Q Do you do any kind of teaching in the area of
6 medicine?

7 A No, I don't.

8 Q Have you published?

9 A While I was doing my residency I did some publishing.

10 Q What topics?

11 A Different, you know, for example, family practice or
12 pediatric topics or -- I was interested in neonatal
13 Intensive Care, couple of articles I published in
14 that, you know.

15 Q Okay. Are those on your CV?

16 A **They might be, I'm not sure. I haven't** looked at it
17 for a long time.

18 Q I will ask your attorney to give us a list of your
19 publications.

20 A Sure.

21 Q All right. So in 1995, what did you do? You left
22 your solo practice?

23 A Actually, I joined my husband. He was already in
24 practice here, so we just merged our practices.

25 Q What kind of a physician is he?

a A He's an internist and cardiologist.

2 Q Okay. And when you joined his practice did you

3 continue with your active staff --

4 A Uh huh.

5 Q -- positions at Providence and Firelands?

6 A That's right.

7 Q Okay. And does that continue to this day?

8 A Yes.

9 Q Have there been any changes in your privileges at

10 those two hospitals?

11 A Well, you know, I was getting more busy in family

12 practice for the last five or six years, and I used

13 to do pediatric Intensive Care, like take care of

14 prematures and all that, I stopped doing that

15 because I was getting really busy with the family

16 practice.

17 Q Uh huh. Okay. Does that mean -- so that's a change

18 in your role, but have your privileges there changed

19 at all?

20 A No.

21 Q All right. When you say --

22 A Well, you know, because I did not want to take care

23 of the sick newborns, so that's why I voluntarily

24 changed the privileges. That was the only thing.

25 Q When you say you are on the active staff, what does

1 that involve exactly?

2 A That involves admitting the patients, taking care of
3 them, you know, and I take care of everything, you
4 know.

5 Q Okay. Do you see your private patients out of your
6 own private office?

7 A Yes, I do.

8 Q Okay. As well as hospital patients?

9 A Yes, if they get admitted to the emergency room and
10 I'm on call in the emergency room then I will admit
11 the patients and take care of them.

12 Q You also serve on call?

13 A Yes, I do.

14 Q Okay. And what kind of an on call schedule were you
15 on back in April of 1996?

16 A Well, I don't remember that.

17 Q Okay. Well, that's not fair. I'm not asking you to
18 tell me what particular days of the week or how many
19 hours at a time, but I guess in general, was it a
20 certain number of days a month?

21 A It always changes, it depends on, you know, how many
22 family practitioners are on call that month or, you
23 know, probably, maybe I should say maybe once a week
24 or so, something like that. I can't remember how
25 many days I was on call.

1 Q All right. Where is your husband's -- where is your
2 office, where was your office in April of 1996?
3 A April of '96, 3006 Campbell Street
4 Q Where is that in relation to Firelands Community?
5 A About five minutes
6 Q Five minutes away?
7 A Right.
8 Q Did you also have a separate office within the
9 hospital at that time?
10 A No, I didn't.
11 Q Okay. And if you were on call, would you be at your
12 office or in the hospital?
13 A It depends, you know, what I'm doing. Most of the
14 times I'm in my office or at home.
15 Q Okay. So on call does -- you are not a house
16 officer?
17 A No.
18 a Okay. On call does not require you to be in the
19 hospital?
20 A No. It requires us to be available within 20 minutes
21 distance, you know.
22 Q Within 20 minutes, is that the hospital protocol?
23 A That's the hospital protocol, that's right.
24 Q Is your husband's name Charles?
25 A Uh huh.

- 1 Q Okay. All right. So since you've come to Sandusky
2 have you always practiced in this area?
- 3 A That's right.
- 4 Q Okay. No other counties in Ohio?
- 5 A No, that's it.
- 6 Q All right. Would you consider "Harrison's on
7 internal Medicine" an authoritative text?
- 8 A Uh huh.
- 9 Q That's a yes?
- 10 A Yes.
- 11 Q All right. So in April of '96 what was your
12 employment position with Firelands Community
13 Hospital?
- 14 A I was on the staff there.
- 15 Q You are not considered an employee?
- 16 A No, I'm not an employee.
- 17 Q Do you recall on April 23rd of 1996, or have you been
18 able to determine where you were?
- 19 A I was at my office
- 20 Q Okay. All right. I'm going to back up for just a
21 second, I'm getting ahead of myself. Have you
22 reviewed any records for today's deposition?
- 23 A Yes, I have.
- 24 Q What did you review?
- 25 A Well, I went through the hospital records.

1 Q Okay. Do you have a separate office chart --

2 A Yes, I do.

3 Q -- regarding Mr. Lavelly?

4 A Yes, I do.

5 Q Okay.

6 MS. GARSON: I'm going to have to

7 request a copy of that. We haven't seen that.

8 MR. HART: Okay.

9 Q What kinds of things would be in that chart other

10 than some duplicates of the hospital records?

11 A In my office chart?

12 Q Uh huh,

13 A Well, Dan used to come to me for minor problems, like

14 colds and coughs or ear infections or skin

15 infections, just a lot of scabies, you know, those

16 sort of things, you know.

17 Q Okay. So he had been a patient of yours?

18 A For about three years, I think.

19 Q And you were aware of his medical history?

20 A Yes, I was.

21 Q Okay. Let's see, when you said you went and reviewed

22 the hospital records, did you also review the medical

23 records from the emergency room on April 22nd?

24 A Not today, but because they always sent the copies of

25 the records to my office, you know.

1 Q Uh huh.

2 A So I have reviewed it before, not today, though.

3 Q Do you know whether you would have reviewed that
4 prior to receiving the call regarding Mr. Lavelle on
5 the 23rd?

6 A Usually -- well, you know, no, because there was no
7 way I was going to know about it. I was not calling,
8 you know, and then it takes a couple of days for the
9 hospital to send the records.

10 Q That's what I was also thinking.

11 A Yeah.

12 Q Have you reviewed the autopsy in this case?

13 A No, I have not.

14 Q Have you received the death certificate?

15 A No, I have not.

16 Q Okay. Just to cover the bases, let me ask you if the
17 coroner's office determined that the cause of death
18 was acute bronchi pneumonia with diffuse alveolar
19 damage, and then ARDS in parenthesis, would you, as
20 far as you know at this point in time, have a dispute
21 with that cause of death?

22 A No, I don't.

23 Q Okay. Are you aware of any pathogens that were
24 eventually identified in this case?

25 A I'm not aware at this point.

1 Q All right. Let's look at the records. They are a
2 little bit confusing, and I've tried to piece
3 together in my mind what happened, when, but I need
4 to confirm that with you. When were you first
5 contacted regarding Mr. Lavelly?

6 A On April 23rd.

7 Q Okay. Do you know when on April 23rd?

8 A I would say around 3:30 in the afternoon.

9 MS. HENRY: Pardon me?

10 THE WITNESS: 3:30 in the afternoon.

11 Q And are you basing that on a particular medical
12 record?

13 A Yes, I do remember, and I'm also basing it on the
14 medical record, too.

15 Q What medical record are you basing that on?

16 A The hospital records.

17 Q Can you show me where?

18 A Actually, I haven't brought anything from One South,
19 you know, but I do remember, you know, the
20 conversations I had with the nurse from One South,
21 but I don't have the reports now.

22 Q Let me see if I can figure out what record it is that
23 you would be looking for. Is it a nurses' notes?

24 A Nurses' notes from One South because that would be
25 the psychiatrist's floor.

1 Q Well, let's see, I think we have those, Could you
2 identify what that document is?

3 A Okay. Let's see --

4 Q I will indicate --

5 A It looks like these are the records for One South,
6 the psychiatric floor from the nurses' notes.

7 Q I'll give you a minute, don't rush; read it over and
8 see if you can identify for me when you were first
9 contacted in this case.

10 A I would say around 3:00, but it might be 3:30 or
11 something like that. It says around 3:00 I was
12 contacted.

13 Q Could you read that note into the record, the one you
14 are referring to and time that it states?

15 A Say: (refuses -- "Dr. Ahluwalia notified of the
16 chest x-ray and accepted patient to be transferred to
17 Three North, Dr. Lee notified, Dr. S. Ahluwalia
18 taking patient to be transported."

19 Q Okay. And that's says 3:00 on it?

20 A Uh huh.

21 Q I'd like to show you a page of orders, I believe, can
22 you confirm for me that this is a page of physician's
23 orders from April 23rd of 1996?

24 A Yes, it is.

25 Q Okay. All right.

1 MS. GARSON: I didn't make copies
2 for everyone, you know where we are at,
3 Q Okay. On this note, what's the time on this note?
4 A 1450.
5 Q So that would be 2:50 in the afternoon?
6 A Yes.
7 Q Okay. Does that note indicate that you are aware or
8 have been called regarding Mr. Lavelly?
9 A Well, it says Dr. Lee has ordered I should be
10 : ed And then at 2:50, I have ordered patient
11 to be transferred to Three North, and it looks like
12 it's 2:50, yes.
13 Q So before 3:00, at approximately 2:50?
14 A 2:50 or 3:00.
15 Q You ordered the transfer to Three North?
16 A That's right.
17 Q What kind of a floor is Three North?
18 A Three North is a medical floor.
19 Q That's not an Intensive Care Unit?
20 A It's not Intensive Care, but they have a telemetry
21 and they can monitor the patient.
22 Q Whose decision was it to move Mr. Lavelly to a medical
23 floor?
24 A It was my decision.
25 Q It wasn't Dr. Lee's decision?

1 A No, it wasn't.

2 Q So it was strictly your decision

3 A (Nod indicating yes).

4 Q You have to say yes or no.

5 A Yes.

6 Q Okay. All right. You said that you recall the
7 conversation that you had at the time that you were
8 notified of Mr. Lavelly?

9 A Yes, I do to some extent.

10 Q Tell me what you remember.

11 A Well, the nurse on the psychiatric floor said that
12 Dan Lavelly is running some fever and little bit short
13 of breath, and at that time I told them to do some
14 blood workup and ordered a chest x-ray that was
15 already called to me, and then I knew that patient
16 did have pneumonia, but she was not very concerned
17 about it, and he did not look too bad. On the phone,
18 the way she explained was that he was a little bit
19 short of breath and he does have some fever. And I
20 did order patient to be started on oxygen and to be
21 transferred to Three North and order blood workup,
22 including blood cultures, blood gases, and some IV's
23 and I ordered some antibiotics for him at that time.

24 Q Okay. When you were first notified, do you know the
25 name of that nurse that you spoke with?

1 A I don't remember the name.

2 Q Okay. Were you advised at that time of his pulse and
3 respirations?

4 A She said he's a little bit short of breath, but she
5 did not tell me the pulse, no.

6 Q Okay. So this nurse, the first person that you had
7 contact with with regard to Mr. Lavelly at
8 approximately 2:50 to 3:00 did not advise you of his
9 pulse or his respirations?

10 A I don't remember exactly, you know, what -- but she
11 said that he's short of breath. That's all she
12 said. I don't remember exactly what were the vitals
13 or -- I don't know that.

14 Q I'm not necessarily expecting you to remember what
15 they were independent of the records. What I am
16 asking you to remember is whether or not this nurse
17 told you what the vitals were.

18 A I don't remember that.

19 Q Okay. So you don't know if she told you what the
20 vital signs were or not?

21 A I don't remember.

22 Q All right. I'm going to show you a nurse's note from
23 2:45, probably about five minutes before you were
24 first contacted, the nurse's note from the
25 Psychiatric Unit. I'll give you a minute to read it

1 and then I'll take it back. This note indicates that
2 his temperature was 100.3 rectally, were you aware of
3 that?
4 A I was aware that he had some fever.
5 Q And you can't recall whether she advised you of the
6 vitals, including pulse and respiration or not?
7 A I do remember he was short of breath, but I don't
8 remember what the exact vitals, whether she told me
9 or not,
10 Q Do you remember her mentioning to you that he had
11 blowing snorous respirations?
12 A No, I don't remember the exact words.
13 Q Do you recall her telling you that he was chilling?
14 A No, I don't remember.
15 Q And that he was restless?
16 A No,
17 Q Okay. And that he was complaining of having a hard
18 time breathing when asked?
19 A I do remember she said he's a little bit short of
20 breath, but I don't remember the exact words.
21 Q Did you ever talk to Dr. Lee directly about Mr.
22 Lavelly?
23 A No, I did not.
24 Q You didn't?
25 A No.

1 Q That was all handled through the nurses?

2 A Nurses, yes,

3 Q Were you ever advised through the nurses that Dr. Lee
4 felt this man was in need of urgent medical care?

5 A Could you rephrase it, please?

6 Q Were you ever made aware through anyone that Dr. Lee
7 considered this man in need of urgent medical care?

8 MR. HART: Objection. Go head and
9 answer.

10 MS. HENRY: Objection.

11 A I don't remember that she said that he was in need of
12 urgent medical care, but I was told that Dr. Lee
13 thinks that I should -- a medical doctor should take
14 over.

15 Q Okay. Were you ever advised or made aware through
16 anyone **that** Dr. Lee considered **that this** man **was in**
17 need of intensive medical care?

18 MS. HENRY: Objection.

19 A Dr. Lee **cannot** decide, he's a psychologist. I don't
20 know whether he can, but he knew that patient needed
21 medical care,

22 Q Okay. And you never spoke with him?

23 A No.

24 Q Okay. Is it fair to say that you never actually saw
25 Mr. Lavelly?

1 A Things happened so fast, you know, and I was not
2 aware that he was this sick until 4:40, I wasn't --
3 when they called me that he's getting worse, I was
4 not aware that he was that sick.

5 Q We are going to go through that. I guess I'm going
6 to go back to my question: Did you ever see Mr.
7 Lavelly before he died?

8 A No.

9 Q During the hospitalization of April 23rd?

10 A No, I did not.

11 Q Your husband was the one who attended the code?

12 A That's right.

13 Q And he arrived at the code I think about 4 minutes
14 after it had started?

15 A He left as soon as I talked to him, you know, around
16 4:40, I think he left the office around that time.

17 Q Uh huh.

18 A Around that time.

19 Q In fact, he's the one who called the code, wasn't he?

20 A No, he did not call the code, by the time he got
21 there the patient was already being coded?

22 Q I'm sorry, he ended the code?

23 A He ended the code, yes.

24 Q That's what I meant. Okay. Why is it that your
25 husband went to the hospital instead of yourself?

1 A I was very busy and I was doing a procedure in the
2 office, and I was in the middle of it, and then my
3 husband was -- he was more freer than I was, that's
4 why I sent him there.

5 Q What kind of procedure were you involved in?

6 A Draining an abscess.

7 Q How long was that taking?

8 A Just takes about 20 minutes, you know.

9 Q Okay. April 23rd, do you know what day of the week
10 that was?

11 A I don't remember the day.

12 Q Did you have appointments in your office that day?

13 A Yes, I did.

14 Q Okay. And when you received that first call from the
15 nurse at 2:50 or 3:00, were you advised of any labs
16 or diagnostic test results?

17 A Yes, I was.

18 Q What were you made aware of?

19 A I think she told me about the chest x-ray, but I'm
20 not sure, and there was some white blood count.

21 Q So you don't know whether you were told about the
22 x-ray or not?

23 A I don't know exactly whether she gave me the report,
24 but she said the chest x-ray has been done, you know,
25 but I don't remember whether I was given the report

1 or not, I don't remember that.

2 Q You don't think she would have told you what the
3 finding was when the nurse's note said, "Notified of
4 chest x-ray"?

5 A I don't remember whether she told me the report,
6 because probably it wasn't ready yet by the
7 radiologist. That's what I presume, but I'm not
8 sure.

9 Q Well, let's look at it.

10 A He got admitted on the same day.

11 Q You've worked at Firelands Community for quite some
12 time, you know that once an x-ray is taken, of
13 course, it takes some time to dictate the findings
14 and then have those transcribed, of course, correct?

15 A That's right.

16 Q But a physician who's caring for a patient can have
17 access to the findings of an x-ray prior to its being
18 dictated or transcribed?

19 A Yes, we do have it. It depends on how sick we are
20 aware that the patient is, you know. It also
21 depends, if we know how sick the patient is, we can
22 go read it ourselves or we can take it to the doctor
23 or the radiologist, but if we don't think the patient
24 is not that sick, and then you usually -- the
25 radiologist reads it the next day or transcribes it

1 the next day, so --

2 Q This chest x-ray was ordered stat?

3 A I --

4 Q How soon would you expect results to be from a stat
5 chest x-ray?

6 A I don't have who ordered that chest x-ray.

7 Q You don't?

8 A I don't know. I ordered it later on.

9 Q Dr. Lee ordered that one?

10 A Dr. Lee ordered it. Okay, so I don't who ordered it,
11 but I don't think I was given the report, but I'm not
12 even sure, you know, but again, you know, chest x-ray
13 was ordered by Dr. Lee, probably it wasn't ready at
14 the time, that's what I'm presuming.

15 Q Why are you presuming that?

16 A **Because it takes** awhile you **know**, if Dr. Lee ordered
17 it with a routine chest x-ray thinking that he has a
18 fever, and usually it is read by the next --
19 radiologist the next day or so in the morning.

20 Q **Well, what if it's ordered stat because the patient**
21 **seems to be in respiratory distress, how soon would**
22 **you expect the results of that x-ray to be made**
23 **available to the physician?**

24 A **I presume within an hour -- it depends what time it**
25 **was done and the technician has to go there, what**

1 time it was done, and what time it was ready. It
2 depends what time the radiologist is available, it
3 just -- I can't answer that question.

4 Q You can't answer that question?

5 A It can be available, you know, within an hour or so.

6 Q Okay. It can be?

7 A I think this one was available in that period
8 because --

9 Q Are you aware of when he was admitted?

10 A Probably sometime in the afternoon, you know.

11 Q Well --

12 A Maybe 1:00 or so, probably.

13 Q And have you reviewed that test that was ordered by
14 Dr. Lee?

15 A I don't remember, but there was a white count and CVC
16 available when she called me.

17 Q Okay. Let me ask you this: If a chest x-ray is
18 ordered stat, we are not going to get into what the
19 doctor is thinking now, but let's say a doctor orders
20 a chest x-ray stat, and the nurses call you wanting
21 to transfer that patient for medical care, and the
22 notes indicate that Dr. Ahluwalia was notified of the
23 chest x-ray, would you assume that you were advised
24 of the result of that x-ray?

25 A See, it does not make a difference because I wasn't

1 aware whether the chest x-ray report was available to
2 me or not, I knew that the patient had pneumonia
3 because of his clinical condition and all that.

4 Q Okay. That's --

5 A I presumed it and treated him that way.

6 Q So you knew he had pneumonia?

7 A That was my 90 percent, you know, I was sure that he
8 had pneumonia.

9 Q Without consideration of his temperature?

10 A It doesn't matter whether you have the chest x-ray
11 report or not.

12 Q Tell me what factors led to your diagnosis?

13 A He had a fever, he was short of breath, that was a
14 good enough reason, you know, for me to think that he
15 had pneumonia.

16 Q Okay. And **did** the white blood count contribute to
17 your thinking?

18 A Yes, his total white count was elevated.

19 Q Do you recall what it was?

20 A About 23,000.

21 Q That's very high, isn't it?

22 A It's high for a bacterial infection. We have seen --
23 it has been worse.

24 Q I'm sure, but what level of pneumonia or how
25 significant is that white count to you in assessing

1 the severity of his pneumonia?

2 A It's different in different people.

3 Q Of course.

4 A Some people that have --

5 Q I'm talking about Mr. Lavelly.

6 A Okay. Some people can have a high white blood count
7 even with any minor ear infection or they can have a
8 low white count with severe bacterial infection, so,
9 yes, it does tell us a high white count does tell us,
10 that there is some bacterial infection going on, but
11 does not tell us how sick the patient is.

12 Q I'm not talking about ear infections. All right?
13 You already said that you knew he had pneumonia?

14 A Yes, I knew, but, again, the pneumonia -- some people
15 can die from it and some people we can treat it as an
16 outpatient.

17 Q What's the treatment for pneumonia?

18 A Antibiotics.

19 Q What else?

20 A Shortness of breath -- oxygen, IV fluids, you know,
21 if the patient is dehydrated, or if we think the
22 patient is sick, and then is in the hospital and
23 shortness of breath, you presume the patient is sick
24 enough to have IV antibiotics.

25 Q So if they are short of breath you presume they are

- 1 sick enough to need antibiotics?
- 2 A Uh huh, and oxygen.
- 3 Q And oxygen. What's the purpose of the oxygen?
- 4 A To correct, if there is a low oxygen level, you
- 5 correct the oxygen level to make the patient
- 6 comfortable because of their shortness of breath.
- 7 Q Uh huh. Does it also have to do with expanding lung
- 8 volume?
- 9 A It depends, you know, I did not have any blood gas
- 10 report at the time, I do not know, you know, it
- 11 depends what the blood gases are.
- 12 Q When was the first arterial blood gas drawn on Mr.
- 13 Lavelly?
- 14 A I don't remember the exact time, but I ordered it
- 15 around 4:00.
- 16 Q [REDACTED] You ordered it?
- 17 A [REDACTED] Yes, ABG around 4:00.
- 18 Q May I see that, I'm not sure I have that page?
- 19 [REDACTED] MS. GARSON: Can we get a copy of
- 20 that? I definitely don't have this page.
- 21 [REDACTED] MR. HART: Sure.
- 22 Q Okay. Well, this eliminates a lot of questions.
- 23 This helps me understand what happened. But we're
- 24 looking at the physician's orders for April 23rd at
- 25 4:00. What are the numbers written in after the

1 particular line items?

2 A I don't know what it is, some hospital code or

3 whatever. I don't know what it is.

4 Q You don't know what that is?

5 A (Nod indicating no.)

6 Q Could that be the identity of the person or people

7 ordering that particular test?

8 A I don't know, I don't know what it is.

9 Q You don't know what it is?

10 A No.

11 Q Okay. All right. I'm going to show you -- well,

12 let's see at 3:00, is that the point in time where

13 you accepted Mr. Lavelly as your patient?

14 A Yes, I did, and I told them to -- I ordered him to be

15 transferred to Three North medical floor, I gave the

16 blood -- these are the orders given at the time.

17 Okay? And I don't know what else I could have done,

18 you know, because these are the orders, I gave it to

19 them, I started him on oxygen, I started him on IV

20 fluids, I ordered the medical workup, I ordered the

21 blood gases, I gave him the IV antibiotics.

22 Q At 3:00 did you know what his pulse oximetry level

23 was?

24 A On the psychology floor they don't check the pulse

25 ox.

- 1 Q Did you order that to be checked on the medical
2 floor?
- 3 A I ordered the blood gases and then -- they do it
4 routinely, you know, and I ordered the blood gases.
- 5 Q They do the pulse ox routinely?
- 6 A Uh huh.
- 7 Q Do you know when the first pulse ox was recorded in
8 this chart?
- 9 A I don't remember.
- 10 Q Well, the first -- you have to go through your whole
11 chart if you don't recall. The first indication I
12 see of pulse ox being recorded in the nurses' notes
13 or anywhere, and I'm open for you to tell me I'm
14 , is at 1555, where it's listed at 53 percent?
- 15 A Okay. Because I think it took awhile for them to
16 transfer the patient from One South to Three North.
- 17 Q Uh huh.
- 18 A So when the patient got there I think that's when
19 they recorded it on the medical floor, they don't
20 record it in Three South.
- 21 Q Isn't the --
- 22 A Patient -- so see, this is Three North.
- 23 Q That's not the Three North, I think that's the ICU
24 notes?
- 25 A No, he wasn't in ICU at that time.

- 1 Q That's right, okay.
- 2 A Three North, you know.
- 3 Q So you say it's routine for them to do it, but it
- 4 took them awhile to transfer him, and that's why the
- 5 first pulse ox notation is at 15:55?
- 6 A Uh huh.
- 7 Q Okay. Doctor, you were the attending as of
- 8 approximately 3:00, correct?
- 9 A I accepted the patient, but I wasn't aware that he
- 10 was that sick, and then I ordered the blood test and
- 11 the whole works, and then from One South they
- 12 transferred the patient to the medical floor, it
- 13 probably took them about 45 to 50 minutes to transfer
- 14 the patient, and I wasn't aware between that period
- 15 that he was that sick of a patient.
- 16 Q Okay. When you accepted the patient, though, that's
- 17 the moment when you were responsible for his well
- 18 being; is that correct?
- 19 A That's correct, yes.
- 20 Q When you said you were not aware of how sick he was,
- 21 is that because the information in the chart was not
- 22 conveyed to you?
- 23 A Yes.
- 24 Q What information in the chart was not conveyed to you
- 25 that would have led to you understand how sick he

1 was?

2 A You know, the nurse, the way -- the nurse from the
3 psychiatric floor, the way she conveyed the
4 information, she does not seem to be alarmed that
5 he's a sick person. She told me he was very short of
6 breath and he has a temperature and chest x-ray. I
7 don't remember whether she told me the chest report,
8 but I do remember the chest x-ray was done, and then
9 I had'a CVC report. But I was not aware of
10 how -- she does not seem to be alarmed at all, and
11 that can happen when a nurse is a psychology nurse
12 and she does not know medical conditions, how sick --
13 they cannot evaluate, they are not trained to
14 evaluate the medical condition.

15 Q You knew that she was a psychiatric nurse?

16 A She a psychiatric nurse and --

17 Q You knew that she was not trained to do --

18 MR. HART: Let her finish it. You
19 always step on her answers. Go ahead.

20 Q Go ahead.

21 A The information I got from her, I did not think that
22 I knew -- I presumed that he had the pneumonia
23 because of what she said, and that's what happens in
24 our patient when they have a fever, they are a little
25 bit short of breath, we presume the patient has

1 pneumonia, but she did not seem to be alarmed about
2 it. He is a very sick person. So many patients we
3 admit with pneumonia, we treat them, but we have --
4 sometimes we treat and we don't have to see them, we
5 just treat a few hours later, but she did not seem to
6 be alarmed.

7 Q [REDACTED] Would you have been alarmed if you had been
8 [REDACTED] advised of the pulse of 88 and respiration of 60 with
9 [REDACTED] blowing snorous respirations and chilling?

10 MR. HART: When was that?

11 MS. GARSON: [REDACTED] 1445.

12 Q [REDACTED] 15 minutes before you were notified of the chest
13 [REDACTED] x-ray?

14 A Yes, I would have been a little bit more concerned,
15 but I don't know whether it would have changed the
16 treatment.

17 Q Okay. Why would you have been more concerned?

18 A That patient has more respiratory distress than what
19 she was telling me over the phone.

20 Q Those numbers are abnormal, aren't they?

21 A Pulse is not abnormal, but respiratory rate of 60 is
22 abnormal.

23 Q A pulse of 88 is not abnormal?

24 A It doesn't go along with the patient's temperature --
25 it's not abnormal, no, it's normal -- kind of a

1 little bit higher than normal should be, but not that
2 high.

3 Q Okay. So then at 3:00 you were aware of his white
4 blood count?

5 A Uh huh.

6 Q When you say you wouldn't have -- I'm sorry, let's
7 talk about the treatment that you rendered at 3:00.

8 A Uh huh.

9 Q I understand that your diagnosis at that time was
10 pneumonia, correct?

11 A Yes.

12 Q And the treatments that you ordered, you've told me
13 were -- I've heard a couple different lists of
14 things, but you're going by this order?

15 A Uh huh,

16 Q At 16:00?

17 A No, I gave these orders, no, actually to the nurse
18 over at Three North. Well, these orders I gave right
19 away when she contacted me.

20 Q Well, that note indicates 16:00, which is --

21 A 4:00.

22 Q 4:00, but you accepted the care of this patient at
23 3:00; is that true?

24 A These ordered -- yes, I did accept the patient's care
25 at 3:00.

- 1 Q Okay. Were there orders given at 3:00?
- 2 A Yes. Orders were given at 3:00 at the time when she
- 3 contacted me the first time.
- 4 Q Which orders were given at 3:00?
- 5 A These orders.
- 6 Q The ones that are fisted in the charge as --
- 7 A These were given to the nurse in One South.
- 8 Q I want to make sure I understand. The orders in the
- 9 chart that are listed as having been given at 4:00,
- 10 you are saying they were given by you at 3:00?
- 11 A That's what I don't -- I gave these orders to the
- 12 nurse on the psychiatric floor.
- 13 Q Okay. And you believe that was at 3:00 when you
- 14 accepted the care of the patient?
- 15 A Yes, when she called me, yes.
- 16 Q When **she** called you initially, right?
- 17 A Uh huh.
- 18 Q Is that a yes?
- 19 A That's right.
- 20 Q So these were the orders that you gave right away?
- 21 A These are the orders I gave right away, yeah.
- 22 Q Okay. And on that list is oxygen at two liters; is
- 23 that correct?
- 24 A It's six liters.
- 25 Q At six liters?

1 A That's what it looks like.

2 Q Did you ever give an order for oxygen at two liters?

3 A I don't remember, but it says six liters here. I

4 don't remember how much oxygen.

5 Q Can you tell from this because I don't have all the

6 pages of the order, so I'm asking you based on your

7 pages?

8 A It says six liters.

9 Q Let me ask you, do you know from your list of records

10 whether you ever ordered oxygen at two liters?

11 A No, I don't.

12 Q And that says six liters?

13 A Is says **six** liters.

14 Q And that's at 4:00?

15 A Uh huh.

16 Q But you are saying you give it at 3:00?

17 A I give the orders to the nurse in Three North, that's

18 what I remember.

19 Q Okay. I understand it takes a little while to

20 transfer the patient from the psychiatric floor to a

21 medical floor?

22 A That's right.

23 Q But when you give these orders for a patient, and you

24 presume that he has pneumonia, how soon do you expect

25 them to be carried out?

1 A It depends, you know, what floor the patient is. In
2 Three South, the psychiatric floor, it might take
3 longer, but if the patient is in the intensive it
4 would be right away if the patient is on the medical
5 floor it might take a few minutes.

6 Q And if you were aware of a patient being very sick,
7 then is there a method by which you could ensure the
8 treatment was rendered promptly?

9 A But I wasn't aware that he was that sick.

10 a Okay. Let's look at the nurses' notes from 3:25 that
11 afternoon. I'll give you a chance to read it.

12 A Yes. 3:25 the patient was still there and then at
13 3:55 he got on Three North, which one is that --
14 which floor is this, I don't know. Yes, this is the
15 psychiatric floor because they will notify Dr. Lee at
16 the same time.

17 Q Okay. So this note from 3:25 he's still on --

18 A I wasn't contacted for that because only one phone
19 call I got from Three North, and they put down that
20 "Dr. Lee and Dr. S. Ahluwalia aware of the
21 condition," but I had only one call.

22 Q Okay. This note at 3:25, can you read it into the
23 record, what it states, please?

24 A "Blowing respirations are 60, rechecked times two,
25 Dr. Lee and Dr. S. Ahluwalia aware of patient's

1 condition, and transferred to 3417."

2 Q Okay. Well, would you agree with me that this note
3 states that you were aware of the patient's
4 condition?

5 A Yes, I was as far as this phase of the note.

6 Q Are you saying that this is not true, that you were
7 not contacted?

8 A 3:25, it says that, I was told that the patient had
9 a respiratory rate of 60 **per** minute.

10 Q I'm not sure I heard what you said.

11 A According to this note it says that patient had a
12 respiratory rate of 60 per minute.

13 Q Uh huh.

14 A According to this note,

15 Q Yes.

16 A But I don't remember whether I was told, I don't
17 remember exact conversation, you know, but I knew
18 that patient did have some shortness of breath, I
19 knew that,

20 Q I appreciate if you don't remember an exact
21 conversation. I heard you say that you were not
22 notified **as** this note says you were?

23 A But that was at 3:25.

24 Q Yes, at 3:25. Before we move on I need to
25 understand, are you denying that you were made aware

1 of his condition at 3:25?

2 A Probably I was. I do not deny this according to the
3 notes, but I don't remember the conversations.

4 Q Okay. Earlier you had said to me that one of the
5 pieces of information that would have helped you
6 understand how sick he was knowing that his
7 respirations were at 60?

8 A At that point I knew that the position was that he
9 was being transferred to Three North where they could
10 have taken care of the problem, and I had ordered the
11 oxygen and the blood test and everything, and the
12 antibiotics and the whole works.

13 Q Did this help you understand -- did this change your
14 understanding of how sick he was at 3:25?

15 A Still it doesn't make me still think that he's a
16 very, very sick patient, no. Patient still had
17 shortness of breath and respiratory rate of 60 and
18 not very, very sick with it.

19 Q Did it change your -- --

20 A It did **not** change my line of thinking, that he was
21 being transferred to Three North, he's being started
22 on the oxygen, he is being started on the IV fluid,
23 he's being started on the IV antibiotics, it did not
24 change my treatment at this point, no.

25 Q Did it change your consideration of how sick he was?

HUNTLEY REPORTING SERVICE

419-626-4039

800-247-8360

1 A I knew that he was sick and he had pneumonia, but it
2 did not change too much of my thinking, but as long
3 as I have ordered all the tests and all the blood
4 tests and IV.

5 Q I understand that it didn't change your treatment.
6 Did it change your thinking of how sick he was?

7 A It did change my thinking that he is sick, yes, he **is**
8 sick and needed care, which has been given.

9 Q Sicker than you had thought at 3:00?

10 A Yes, that's right.

11 Q Okay. I just want to go back for a minute. When you
12 had stated that you were advised of the white blood
13 count --

14 A Uh huh.

15 Q -- at 3:00 by the nurse, and you thought it was in
16 23:00, correct?

17 A Uh huh.

18 Q I'm going to show you a -- let's see, **it's** a lab
19 sheet printout, and let you take a look at it, for
20 the blood work on April 23rd of '96, and the results
21 inquiry is from 1445.

22 A That's right.

23 Q Okay. Do you see the handwriting on the bottom of
24 the form?

25 MS. HENRY: Can I see that form?

1 A It says, "Dr. S. Ahluwalia aware at 2:50, 2:56" or
2 something.

3 MS. HENRY: Could I just see that
4 form for a minute?

5 MS. GARSON: Sure.

6 Q Are there any other hand marks on that page?

7 A What do you mean by hand marks?

8 Q The results of the white blood count and the
9 hemoglobin are circled?

10 A Hemoglobin is 10.8, just a little bit on the low
11 side, but then that's anemia. It's not alarming.

12 Q Let me finish my question. The hemoglobin and the
13 white blood count results are circled; is that
14 correct?

15 A That's right.

16 Q Are there any other results that are circled?

17 A No other results are circled.

18 Q The hemoglobin level can also be an indicator of what
19 gas exchange is happening in that patient; **is** that
20 true?

21 A It wasn't alarming to show that.

22 Q Can you listen to my question: The hemoglobin can be
23 an indicator of gas exchange in a patient?

24 A Not necessarily.

25 Q Okay. Not necessarily?

- 1 A Uh huh.
- 2 Q But it can, correct?
- 3 A I don't think **so**, not at 10.8 of hemoglobin.
- 4 Q My question wasn't whether 10.8 was, my question is
5 whether hemoglobin in general, the levels, can be an
6 indicator **as** to the **gas** exchange in a patient, the
7 level of oxygenation?
- 8 A Okay. Yes, if it is -- patient can become more
9 hypoxic because oxygen -- hemoglobin carries oxygen.
- 10 Q So the hemoglobin level is an indicator of their
11 oxygenation in their blood; is that correct?
- 12 A Yes, if the patient has hemoglobin of six, seven, or
13 eight, you know, then hemoglobin has to carry the
14 oxygen to the tissue.
- 15 Q Right.
- 16 A But 10.8 of hemoglobin is not going to cause too much
17 of a problem of oxygenation --
- 18 Q Okay.
- 19 A -- of the tissue.
- 20 Q So you are saying the hemoglobin carries the oxygen
21 to the tissues, correct?
- 22 A Yes.
- 23 Q And the oxygenation carried by the hemoglobin can be
24 indicated by this lab test?
- 25 A Yes, it can be.

1 Q I'm not asking you for an interpretation of it.

2 A But I'm saying that this is how I'm trying to
3 interpret, you know,

4 Q I know but I'm not asking you to interpret it. NOW,
5 the sheet indicates that the normal hemoglobin level
6 is somewhere between 14 and 18, would you agree with
7 that?

8 A Yes, but not all the people have it.

9 Q I understand. Do you remember being made aware of
10 the hemoglobin level as well as the white blood count
11 level at 2:50 in the afternoon?

12 A As far as I remember I only remember the white count
13 being high, not the hemoglobin being -- I don't
14 remember that, maybe it wasn't that important because
15 10 points of hemoglobin was not important to me at
16 that point, you know.

17 Q So you might have been advised of it, but you don't
18 recall?

19 A I don't recall it.

20 Q And if you had been advised of it, it wouldn't have
21 caused you concern?

22 MR. HART: Objection. Go ahead.

23 A Not 10.8 of hemoglobin.

24 Q Fair enough.

25 A It would not cause that much of a concern. Yes, if

1 it would have been seven or eight I would have
2 thought the patient was bleeding or something.

3 Q Okay. I'm going to get this time line together
4 here. Let's go back to Three North and the nurses'
5 notes. At 15:55, I can give you mine, I suppose, if
6 you want to look at it.

7 A Okay, You want me to read it?

8 Q Maybe I will. "15:55, Dr. S. Ahluwalia's office
9 notified per," a nurse's name, "D period Gearheart,"
10 maybe R.N., "Dr. S. Ahluwalia to call back, patient
11 anxious, res. rate" -- I'm not sure what the next
12 word is, "at 58, 02 applied at two liters **per** nasal
13 cannula, pulse ox at 53 percent, color **pale**." Did I
14 read that okay?

15 A Yes.

16 Q Okay. Did you give that order for the oxygen at two
17 liters?

18 A No, it says six liters here.

19 Q Do you know who gave that order for oxygen at two
20 liters? Is it within the province of the nurse to
21 order oxygen at two liters?

22 A Yes, she can.

23 Q She can, under particular circumstances?

24 A Yes, if the patient is short of breath they can order
25 it.

1 **a** Are there certain hospital protocols or guidelines
2 with regard to when a nurse can order oxygen?

3 **A** Usually they do, you know, at two liters, you know,
4 if the patient is a little bit short of breath, they
5 can start the patient on two liters.

6 **Q** But you didn't order that two liters?

7 **A** No.

8 **Q** At this point in time it does not appear that there
9 was any order for oxygen?

10 **A** It says six liters,

11 **Q** You are referring, again, to those orders that are
12 marked 16:00; is that correct?

13 **A** But these orders -- well, again I gave them before
14 16:00, I give them you know --

15 **Q** Okay. So you are saying you gave orders at 3:00 and
16 they were still not being carried out when the
17 patient was transferred to Three North?

18 **A** It takes time for them to transfer patients.

19 **Q** I'm saying after he was transferred at 15:55, the
20 patient is not being given six liters per your order,
21 is he?

22 **A** Yeah, according to these notes, it just says two
23 liters, you know.

24 **Q** Okay. At this point in time can you tell whether or
25 not the arterial blood gas had been drawn?

- 1 A I'm not sure whether it was drawn or not.
- 2 Q Is it within the perimeters or province of
- 3 respiratory therapists to order arterial blood gases?
- 4 A They can order it, yes.
- 5 Q They can?
- 6 A Yes. Depends if there is a code blue or whatever,
- 7 they can order the blood gases.
- 8 Q :y Doctor, what does a pulse ox of 53 percent
- 9 indicate to you?
- 10 A That means the patient is not oxygenating well.
- 11 Q What else would that indicate to you?
- 12 A That I need a blood gas.
- 13 Q Why do you need the blood gas?
- 14 A Because patient needs oxygen and patient needs blood
- 15 gases.
- 16 Q kay. What else does it mean to you?
- 17 A That patient is not oxygenating well. He is :
- 18 Q : he is r?
- 19 A Yes, he can be.
- 20 Q This could be a life threatening number, couldn't it?
- 21 A Depends, there are a lot of other conditions that you
- 22 need to review, too, but the first thing you need is
- 23 a blood gas, yes.
- 24 Q Okay. Well, a pulse ox of 53 percent, is that a life
- 25 threatening situation with Mr. Lavelly at that time?

1 A It can be, it cannot be.

2 Q Okay, Can you find that sheet? I don't know if you
3 have this one, maybe we can find it **so** we can work
4 along on it together.

5 MR. HART: If we don't have it, we
6 can make a copy.

7 MS. HENRY: I might have an extra
8 copy.

9 MR. HART: We have it.

10 Q What is the 0 in the problem-free column, do you know
11 what that is?

12 A I don't know,

13 Q I don't either. If anyone figures it out let us
14 know.

15 A I don't know what that means.

16 Q Okay, **Well**, I **guess we will be deposing the nurse**
17 anyway, **so** -- okay. Doctor, you said that a pulse ox
18 of 53 percent could be a life threatening number,
19 correct?

20 A It can be and it cannot be.

21 Q Okay.

22 A It just tells us only the oxygen level, but from the
23 blood **gases** you can see whether it is life
24 threatening or not,

25 Q Okay. If you combine the 53 percent pulse ox with an

1 approximately three hour history of difficulty
2 breathing and respirations at 58 or 60, what picture
3 does that present to **you** in terms of the condition of
4 the patient?

5 A Okay. It tells us that, **you** know, patient **is** sick
6 and that patient is not oxygenating well.

7 Q Okay. When you say sick, is this patient in need of
8 Intensive Care?

9 A Yes, that's what I did, you know, I transferred the
10 patient to the Intensive Care Unit.

11 Q Well, that didn't happen until almost an hour later?

12 A 4:40.

13 Q Yeah.

14 A But, again, I didn't know at what time did they call
15 me, "Dr. S. Alehlualia aware of the respiratory rate
16 and pulse ox, 4:40," I was contacted and I
17 immediately transferred the patient to Intensive
18 Care.

19 Q Actually your office called back at 16:00, do you see
20 that?

21 A Yes.

22 Q And it says ABG's drawn per respiratory therapy?

23 A Uh huh.

24 Q Sounds like respiratory therapy ordered that ABG, do
25 you see that?

- 1 A No, they were ordered here, no.
- 2 Q I understand you are saying **it's** listed there, but
- 3 the notes make it sound like respiratory therapy
- 4 ordered the **ABG**?
- 5 A I ordered them, and they are supposed to draw the
- 6 ABG, you know.
- 7 Q Okay. And then nail beds were cyanotic, do you see
- 8 that note?
- 9 A Yes, that patient was getting, you know, 100 percent
- 10 of oxygen at the time.
- 11 Q He was?
- 12 A He was getting --
- 13 Q At 16:00, how many liters was he getting?
- 14 A This happened between 4:00 and 4:40. Okay? I had 40
- 15 minutes -- how many times, probably they called me
- 16 only one time after the patient was transported at
- 17 4:40, or maybe one time, I don't remember, you know,
- 18 but, again, you know, patient was in Intensive Care
- 19 at 4:40.
- 20 Q My question to you was: At 16:00, how much oxygen
- 21 was he on?
- 22 A Let's see, maybe I can tell from the blood gases.
- 23 Q We can just look at this note at 1555, he was placed
- 24 on two liters?
- 25 A Yes, according to the nurses' notes it says two

1 liters, but according to my order it says six liters.

2 Q You wrote the orders, but we can only tell what

3 happened by looking at the nurse's notes; isn't that

4 true?

5 A That's right.

6 Q Well, then looking at the nurses' notes, in terms of

7 what did happen, at 16:00 he was receiving two liters

8 of oxygen; is that correct?

9 A That's right.

10 Q Okay. And at 16:00 orders were received from your

11 office it states that, "Dr. Ahluwalia's office called

12 per D period Gearheart R.N., orders received," that's

13 at 16:00?

14 A Uh huh. That's right.

15 Q And these notes that you've been referring to are

16 noted being at 16:00, correct?

17 A That's right.

18 Q So the orders that you gave at 16:00 then are oxygen

19 at six liters?

20 A Uh huh.

21 Q Is that correct?

22 A That's right.

23 Q Okay.

24 MR. HART: I think she said she

25 gave the orders at 3:00, not 4:00.

1 Q Then look at what I'm saying here, at 16:00 it states
2 that "orders were received," do you **see** that? "Dr.
3 S. Ahluwalia's office called back and orders were
4 received."

5 A That's right.

6 Q Let's go with your testimony that all these orders
7 from April 23rd that says 16:00 were really given at
8 3:00. What orders did you give at 16:00?

9 A I don't -- because here I was called and **it** does not
10 say anything whether I gave any orders at 16:00.

11 Q It says orders received?

12 A That says -- "Dr. S. Ahluwalia's orders received,
13 ABG **is** strong." Okay?

14 Q Where **it** says "orders received," the nurse **is** saying
15 that, right?

16 A Yes.

17 Q What orders --

18 A Maybe I just know these are the same orders, you
19 know.

20 Q You gave the same orders --

21 A Usually **it's** very -- what they do when **the** patient **is**
22 transferred to the **floor**, new **floor**, they always,
23 even if the orders are given, they always call to
24 confirm the orders, you know, that **is** what you want,
25 even like in Intensive Care when -- I mean, when the

1 patient is in the emergency room, orders are written
2 and the patient is transferred to another floor and
3 they always call to confirm the orders.

4 Q It doesn't say orders confirmed, does it?

5 A Just says received, yes.

6 Q Okay. So you are saying that if you give an order
7 of -- prior to a patient being transferred to a
8 different floor, you cannot rely on that order
9 reaching the new floor with the patient and being
10 followed?

11 MR. HART: Objection.

12 A That can happen, can sometimes happen.

13 Q Then do you have it **as** part of your office protocol
14 to call and ensure that those orders **have** been
15 received and are being followed?

16 A Yes, we do have some protocol in there.

17 a Is it in writing?

18 A In the office?

19 Q Uh huh,

20 A It's not in writing, but the nurse in my office
21 calls, the receptionist, she's been instructed to do
22 that,

23 Q What is she instructed to **do**?

24 A Any orders, they need to be read to me or orders, if
25 the orders are given on one floor and the patient is

1 transferred, then the order should be read to me.

2 Q So you are not saying that you gave any additional
3 orders at 16:00; is that correct? The orders that
4 are marked 16:00, you actually gave at 15:00,
5 correct?

6 A I did not --

7 Q Is that correct?

8 A Yes, that's what I think they are.

9 Q When in the nurses' notes it says, "at 16:00 orders
10 received," that's just the same orders that you gave
11 at 3:00?

12 A It is the same -- treatment did not change at that
13 point, you know, treatment -- because I was already
14 taking care of all the problems.

15 Q What do the nail beds being cyanotic indicate to you?

16 A That the patient is not oxygenating.

17 Q What does cyanotic mean?

18 A Cyanotic means he's not oxygenating.

19 Q It means they are blue or purple?

20 A Yes, they are blue.

21 Q Okay.

22 A It does not -- I have covered everything beforehand,
23 I had covered everything beforehand, so the treatment
24 he was starting on oxygen, he was starting on IV
25 fluids, he was starting on IV antibiotics, I had

1 ordered the blood gases, I had ordered the blood
2 cultures, at that point it was treatment -- he was
3 getting what I needed him to get.

4 Q Okay. So no new orders were given at 16:00, correct?

5 A Probably not.

6 Q Okay. How sick would you say he is at this point, at
7 16:00 with **his** nail beds cyanotic?

8 A He looked sick, he had respiratory distress and I
9 knew -- I presumed I was 90 percent sure that this
10 patient had pneumonia, he was not oxygenating well.

11 Q He's got a 53 percent pulse ox and his nail beds are
12 cyanotic and you did not give an additional order at
13 that time; is that correct?

14 A Because I had already covered it, I knew that the
15 blood gases are being drawn and I was waiting for the
16 blood **gases**.

17 Q What were you going to do differently once you got
18 the blood gases?

19 A I needed the blood gases before I could decide how
20 much -- if I should increase the oxygen or what I
21 should do where his particular care is concerned.

22 Q At 16:20 the note indicates that ABG results were
23 called to your office?

24 A Uh huh.

25 Q And that the O2 was increased to six liters?

1 A No, see, it was changed to 100 percent mask.

2 Q I'm looking at 16:20

3 A This is at 4:15.

4 MR. HART: Can we take like a two
5 minute break?

6 **THEREUPON, there was a brief recess.**

7 Doctor, I have had a chance to review the record
8 sheets that were not in my possession, and I see that
9 at 6:15 you ordered 100 percent mask?

10 MR. HART: 16:15.

11 Q 16:15, thank you. Now, can you help me to understand
12 how it is that you would make that order at 16:15,
13 were you still in your office?

14 A Uh huh.

15 Q Would you have made that order by telephone?

16 A Yes, I did.

17 Q To whom?

18 A To the nurse in Three North.

19 Q Okay Then help me understand why it appears at 6:15
20 in the order section of the chart and it's not picked
21 up by the nurse until -- well, looks like 16:40,
22 it's in the chart that they gave 100 percent oxygen
23 mask?

24 A That, I don't know why it was not picked up, I don't
25 know.

1 Q I'm asking if you can explain to me how that works at
2 Firelands, If you're saying you called it into the
3 nurses on the floor, it doesn't look like, from their
4 notes, that they had that information until closer to
5 16:40?

6 A That, I don't know, I cannot say how the nurses
7 function, different nurses function differently.

8 Q Okay. How do you understand that process to work
9 when you call in an order from your office to a
10 floor?

11 A I presume that it's done right away.

12 Q Uh huh.

13 A But how do I know, you know, what happens.

14 Q Why were you ordering 100 percent oxygen?

15 A Because his pulse ox was low.

16 Q Any other reason?

17 A Then I had his blood gases to go through, I think ABG
18 results are called.

19 Q That was at 16:20, correct?

20 A Yeah -- well, the nurses can make a difference, five
21 minute here and there, that probably can happen, you
22 know.

23 Q Okay, Would it be faster if he were in the
24 Intensive Care Unit?

25 A Probably, maybe a few minutes, yeah, just a couple of

1 minutes or so. I don't think it would have made that
2 much time difference, no.

3 Q Well --

4 A On here you mean, the way the oxygen was ordered,

5 Q The order is written at 16:15, and yet it's not
6 completed or it's not noted in the nurses' notes
7 until 16:40?

8 A But how do I know it's not noted, you know.

9 Q How do you know what?

10 A How do I know that's it's not taken care -- that my
11 order is not carried out until 16:40.

12 Q I know, I'm asking you, would you have more of an
13 assurance if he were in the Intensive Care Unit that
14 these orders would be carried out quickly?

15 A At that point --

16 Q Is that normal operating procedure?

17 A That can happen, but I had no presumption that it
18 would not be carried out for 20 minutes.

19 Q Do you have a problem with that, that it didn't
20 happen for 20 minutes?

21 MR. LAVALETTE: Objection.

22 A Yes, it might bother me a little bit.

23 Q Why is that?

24 A Okay. Let me explain what my thinking has been all
25 along, I think I got the patient's care, that this

1 patient has pneumonia, he is not in respiratory
2 distress, and I ordered the blood test, I ordered --
3 I knew about the chest -- but it did not make a
4 difference whether I knew it or not because I knew
5 that patient had pneumonia. I was 90 percent sure.

6 . I ordered the oxygen, I ordered the IV, I ordered
7 the IV antibiotics, I ordered the appropriate tests,
8 it does not matter -- I took care of everything and I
9 er: up everything, and this patient was very
10 sick, you know, to begin with, which I wasn't aware
11 of, you know, initially, later on, yes, a few minutes
12 later I knew that he was sick, but I had covered up.
13 But this patient probably was sick and I got him too
14 late.

15 Q You got him too late?

16 A I did not have enough hours to treat his pneumonia in
17 an hour or two, I did not have enough time.

18 MR. HART: I don't think I caught
19 that, what did you just say?

20 A I don't think I had enough time to treat his
21 pneumonia or the respiratory distress.

22 Q You said you got him too late?

23 A Probably it was late for me to get him, at one and a
24 half hours is not enough to treat his pneumonia and
25 his hypoxia or whatever it was.

1 Q One and half hours may not be enough time to treat
2 whatever bacterial or pathogen there might be causing
3 infection, true?

4 A He got the antibiotic, you know, I gave him a very
5 broad spectrum antibiotic, the most common antibiotic
6 used to treat pneumonia.

7 Q An hour and a half is a good amount of time, plenty
8 of time to treat hypoxemia, isn't it?

9 A But he was getting 100 percent of --

10 Q Yes or no?

11 A Yes, it can, but it's not that I did not treat his
12 hypoxia, he was getting his oxygen.

13 Q When was he first hypoxemic, based upon your
14 knowledge?

15 A When I got this 53 percent of pulse ox.

16 Q That's the first time you considered him hypoxic?

17 A I knew he had respiratory distress, but according to
18 the pulse ox, 3:55, you know, they did it, you know,
19 probably got the results around 4:00 or so, but he
20 was already on oxygen. I had ordered the oxygen at
21 whatever, you know, when they called me the first
22 time.

23 Q Okay.

24 A It was not that I was not treating his hypoxia.

25 Q You ordered 100 percent oxygen you're saying because

1 of his pulse ox, correct?

2 A Uh huh.

3 Q Is 100 percent oxygen, that's treatment for hypoxia,

4 right?

5 A Yes, it is.

6 Q Hypoxemia?

7 A Yes.

8 Q And you **are** also trying to expand the lung volume?

9 A Yes.

10 Q And that's so the lungs can improve their gas

11 exchange?

12 A Yes.

13 Q So that the patient doesn't have to work as hard at

14 breathing, correct?

15 A That's right.

16 Q And doesn't become fatigued and decompensated?

17 A That's right.

18 (And when that fatigue and decompensation and the gas

19 exchange deteriorates, he's subject to fatal

20 arrhythmia, isn't he?

21 A It can happen, yes.

22 Q It did happen?

23 A But it was not that he wasn't being treated with, he

24 was getting 100 percent oxygen.

25 Q Okay. Now, so you are saying that's the first time

1 you believe that he was cyanotic or that he was
2 hypoxia was when you got his pulse ox at 53 percent
3 correct?

4 A Yes.

5 Q What time was that?

6 A It says 3:55, probably I was notified at 4:00.

7 Q Okay. Do you have any explanation as to why the
8 orders are marked 16:00 if you really gave those
9 orders an hour sooner? You know how Firelands works,
10 maybe you would have an idea how that would happen?

11 A These health nurses didn't carry the order -- I don't
12 know what happened.

13 Q Have you seen that happen before, that an order is
14 marked an hour later than it was actually given?

15 A I don't take care of the patients on Three South, so
16 I don't know how they work, I can only presume,

17 Q Was it unusual for you to be caring for a patient
18 with acute respiratory distress and pneumonia?

19 A No, it's not, I treat it all the time.

20 Q Okay. When you gave the order, why did you order to
21 transfer him to ICU?

22 A Because of his blood gases and the way he was
23 breathing and he needed 100 percent of oxygen, he
24 needed to be in the care unit.

25 Q What was going to be different for him in the

1 Intensive Care Unit?

2 A They monitor the patient more closely.

3 Q That's all?

4 A And, of course, you know patients need to be
5 monitored, whatever, he was hypoxia or that -- I
6 mean, in Three North they do take care, but they
7 don't take their vitals like every hour, or 15, or
8 half an hour, They might probably do vitals more
9 often, but patients get more close treatment in the
10 Intensive Care Unit. That's the reason.

11 Q That was the reason you were transferring him?

12 A Yes.

13 Q Because you didn't write any additional orders for
14 him to be carried out once he arrived in the ICU, did
15 you?

16 A I did not have a chance, he coded **as** soon **as** he got
17 there and I sent my husband right away, at 4:40 I
18 sent my husband, you know,

19 Q But I'm saying that you didn't write any orders at
20 4:40 to be carried out in ICU once he was
21 transferred?

22 A He was getting all the treatment, you know, I don't
23 know what --

24 Q That's my question. I'm not trying to be difficult.
25 I'm trying to understand if you had some additional

1 course of treatment that you wanted him to get in
2 ICU?

3 A He was getting all the treatment there, but I just
4 wanted him to be closely monitored. He was getting
5 all the treatment that I could --

6 Q There was no other element of treatment that you were
7 thinking of having for him in the ICU that was not
8 available on the medical floor?

9 A I was taking -- I was covering everything, I was
10 taking care of his hypoxia, I was taking care of his
11 IV fluids, I was taking care of his IV antibiotics.

12 Q Is that a no, there was no other additional treatment
13 that you were considering him to have in ICU that was
14 not available to him on the medical floor?

15 A He needed to be intubated, you know

16 Q Did he need to be intubated?

17 A He could, yes, he could have been, yes.

18 Q Well, what would be the indications of intubating
19 him?

20 A Respiratory failure.

21 Q What is the definition of respiratory failure

22 A High PCO₂, hypoxia, as well as a low Ph.

23 Q Low Ph, high PCO₂, and what else?

24 A Hypoxia.

25 Q Hypoxia. Okay. He had hypoxia, right?

- 1 A Uh huh.
- 2 Q We know that?
- 3 A Uh huh.
- 4 Q That was a yes?
- 5 A Yes, he did.
- 6 Q Did you know at approximately 16:40 what his Ph and
7 his PCO2 were?
- 8 MS. HENRY: It says 4/30/96 at the
9 top, arterial blood.
- 10 A PCO2 was 70.9, PO2 was 54.60, and Ph was 7.16.
- 11 Q That certainly fits the picture you've just given,
12 the definition of acute respiratory distress, doesn't
13 it?
- 14 A This was drawn at 4:40, but this blood gas probably
15 was not available for another few minutes, you know.
- 16 Q Another few minutes, how long does it take to get a
17 blood gas?
- 18 A 10 or 15 minutes.
- 19 Q Okay. All right. Well, let's look at the 1558 one,
20 then.
- 21 A Okay.
- 22 Q That was drawn at 1558, is that the draw time at the
23 top?
- 24 A That's right.
- 25 Q And you're saying -- how long would it take to get

1 that result?

2 A About 15 or 20 minutes, maybe.

3 Q And the Ph then is low at 7.29, correct?

4 A But **it's** not that alarmingly low.

5 Q Well, it indicates on this chart that it's low,

6 correct?

7 A Uh huh.

8 Q And the PCO2 is 47.5, which is high, correct?

9 A That's right.

10 Q And the --

11 A But it's not that high, he's not in respiratory

12 failure at that time.

13 Q Well, it indicates that it's high, correct?

14 A Uh huh.

15 Q What was the other one you were looking at, the O2?

16 A The O2 is 40.7.

17 Q What does the C mean?

18 A It's critical, meaning critical.

19 Q Critical, what was his O2 saturation at?

20 A 68.4.

21 Q What is that?

22 A That's low.

23 Q That's very low, isn't it?

24 A **Yes.**

25 Q These numbers certainly fit the parameters of your

1 definition of acute respiratory distress, don't they?

2 A It's respiratory distress, but it doesn't say

3 respiratory failure. But at that point I changed his

4 oxygen to 100 through non-rebreathable mask,

5 Q Okay. I'm going to need to ask you if it's possible

6 to give me a yes or no answer.

7 A Okay, sure.

8 Q Just so we can go work on something else for this

9 afternoon. If it's possible to say yes or no, do the

10 arterial blood gas numbers drawn at 1558 indicate to

11 you that Mr. Lavelly was in acute respiratory

12 distress?

13 A It says he appears hypoxic and --

14 Q Does it indicate that he is in respiratory distress?

15 A No, he's not in respiratory failure, no, he is not.

16 Q He's in respiratory distress, but not in respiratory

17 failure?

18 A That's right.

19 Q Is it your testimony that those numbers do not

20 indicate the need for intubation?

21 A His PCO2 is not high enough, usually respiratory is

22 about 60.

23 Q It has to be what?

24 A It has to be 60 or above when the patient is in

25 respiratory failure.

1 Q So even though this number is high you would only
2 consider him in respiratory failure if the PCO2 is at
3 60?

4 A Yes, or the Ph is a little lower than 7.23 or that.

5 Q So you wouldn't intubate with the numbers from 1558,
6 you'd just wait?

7 A Yes, I will increase oxygen because **his** oxygen level
8 is low, but I will not --

9 Q And at 1558 did you increase the oxygen?

10 A Yes, I put him on 100 non-rebreathable mask.

11 Q And the numbers at 16:40, do those indicate to you
12 the need to intubate that patient?

13 A At that time it did, but, yes, but I did not have
14 those reports, you know.

15 Q Do you have any opinion as to whether -- I don't know
16 if you have an opinion on this or not, if he had been
17 intubated when you were aware of the arterial blood
18 **gas** numbers at 1558, could that have avoided the
19 **respiratory arrest?**

20 A **Yes, but, again, these blood gases were drawn at**
21 **3:58, okay, according to that, but those were not**
22 **available for a few more minutes, too, and by that**
23 **time I had done another blood gas, you know, and on**
24 **the 100 percent of non-rebreathable mask. I had**
25 **ordered another blood gas to be drawn.**

1 Q Well, let's go back then. Let's say it takes 20
2 minutes to get the results back from the 1558
3 arterial blood gas drawn, is that fair?

4 A Okay, yes.

5 Q Then at 16:20 if Mr. Lavelly had been intubated this
6 respiratory distress that led to his death could have
7 been avoided, couldn't it.

8 MR. HART: Objection.

9 A But then I had ordered another blood gas.

10 Q Is that a yes or no? I'm asking, do you have an
11 opinion about that and you've said yes, so my
12 question to you is: If, after you received the
13 arterial blood gas results at 16:20, you were saying
14 it takes 20 minutes to get them?

15 A Uh huh.

16 Q If you had then ordered that this patient be
17 intubated in order for him to get oxygenation, would
18 this respiratory arrest have been avoided?

19 A Okay.

20 Q Do you have an opinion about that question?

21 A It could have, yeah, but again I had ordered another
22 blood gas which was done at 4:40.

23 Q Is it more likely than not that it would have avoided
24 the respiratory distress, I mean the respiratory
25 arrest?

1 MR. HART: Objection. Go ahead
2 and answer if you know.

3 A Could you please rephrase it?

4 Q Yeah, sure. Do you know when you received the
5 arterial blood gas results, the chart indicates that
6 they were called to you at 16:20?

7 a Uh huh.

8 Q I don't know if you were aware of them or not, but
9 I'm asking you if you know.

10 A I'm not aware, but probably this 3:58 results were
11 called to me around 4:20.

12 Q Okay.

13 A That's what they are referring to.

14 Q Right. Okay. Okay. At that time if the patient had
15 been intubated in order to improve his oxygenation,
16 do you have an opinion, more likely than not, that
17 the respiratory arrest that he suffered would have
18 been averted?

19 MR. HART: Objection. Go ahead
20 and answer if you know.

21 A Yes, but the patient is not in respiratory failure at
22 that point, you know.

23 Q But your answer is that if he had been intubated --

24 A If he would have been in respiratory failure, yes.

25 Q If you had additional information at 16:20, what

1 additional information would have led you to intubate
2 him at that time? I'm asking you because you said
3 you didn't receive all the information at certain
4 times, I'm wondering if there is some information
5 that you did not have at that time that made you not
6 appreciate his condition?

7 MR. HART: Objection, Go ahead
8 and answer if you know.

9 A I don't know.

10 Q Okay. Based on your review of the records is it true
11 that Mr. Lavelly received no physical exam from a
12 physician while he was in the hospital on April 23rd
13 of 1996?

14 A He was seen by an ER physician

15 Q Well, that was the day -- in the mental health
16 facility?

17 A He was in the emergency room at 1:00, I think that's
18 what it is.

19 Q Is the mental health services separate from the
20 emergency room?

21 A I'm not sure, but I think he was in the emergency
22 room on the same day.

23 Q Okay. Do you know which doctor -- are you presuming
24 he was examined then by an emergency room doctor?

25 A I think he was, I'm pretty sure about it.

1 Q Are you aware of any other physical exam that he had
2 the rest of that day?

3 A No. Between 1:00 and 4:00?

4 Q Between 1:00 and when he died?

5 A 1:00 and 4:00 or whatever, you know.

6 Q Between 1:00 and when he died?

7 A He was seen by Dr. Nescoda, who attended -- he was a
8 physician on the floor and by my husband.

9 Q Okay. Well, Dr. Nescoda was trying to resuscitate
10 him?

11 A Yes.

12 Q And your husband arrived to end the code?

13 A Yes, because we were not -- I wasn't aware that he
14 was that sick, but just, you know, again, between
15 4:00 and 4:40, yes, he should have been -- between
16 4:00 and 4:40.

17 Q If this matter gets to trial I have to ask now what
18 some of your opinions or beliefs are going to be.
19 Will you be offering any criticisms in the care
20 rendered by any of the nurses in this case?

21 MR. HART: Objection.

22 A I cannot comment on that.

23 Q You can't comment on that, is that because you don't
24 have an opinion or you are not critical of them?

25 MR. HART: Objection. Go ahead

1 and answer if you know.

2 A Again, first the oxygen levels were not carried out
3 properly and my orders were not carried out, you
4 know, some of them properly.

5 Q Okay. Which ones?

6 A Especially the oxygen levels and all that, you know.

7 Q Okay.

8 A From two liters, I ordered six liters, you know,
9 those kind of things.

10 Q You ordered six liters, but only two were given?

11 A For a few minutes, yes.

12 Q And then you ordered 100 percent, but that took what
13 some 20 minutes before it was administered?

14 A That's right.

15 Q Are there any other criticisms that you have --

16 MR. LAVALETTE: Objection.

17 Q -- as to the nurses' care?

18 A I don't think so.

19 Q Do you believe that those nurses were acting within
20 the protocols and guidelines of the hospital or do
21 you have opinion as to whether they deviated from
22 those protocols or guidelines?

23 MR. HART: Objection. Answer only
24 if you know.

25 A I cannot say that.

1 Q Okay.

2 A Whether they were in the guidelines or not.

3 Q Do you believe that the failure of the nurses to
4 carry out your orders, as you have just indicated,
5 changed the outcome in any way for Mr. Lavelly?

6 MR. HART: Objection. Go ahead
7 and answer you know.

8 A I think so, probably did.

9 Q You do, probably it did?

10 A (Nod indicating yes.)

11 Q In what way?

12 A Because if he would have been oxygenated very well he
13 would not have gone into respiratory arrest.

14 Q Okay. So if he had been given 100 percent oxygen
15 when you ordered it at 16:15 he wouldn't have gone
16 into respiratory arrest?

17 A That's a possibility, **yes**.

18 MR. LAVALETTE: Objection.

19 Q What?

20 A That's a possibility he would not have.

21 Q Okay. Well, a minute you said probably, so now you
22 are saying possibly?

23 A Yes, he was not oxygenating and that was the reason
24 that he went into respiratory arrest.

25 Q Right. Okay. Do you have criticisms of anyone **else**

1 involved in Mr. Lavelly's care that day?

2 MR. HART: Objection, Go ahead
3 and answer if you know.

4 A No, I don't think **so**,

5 Q Specifically Dr. Lee?

6 MS. HENRY: Objection.

7 Q Do you have any criticisms of Dr. Lee in this case?

8 MR. HART: Objection.

9 A I don't think so. He notified me when he found out
10 that the patient was sick and needed medical
11 attention, I was notified. I don't think he saw him,
12 he probably did not see him between 1:00 and 3:00, so
13 he notified me at 3:00, and then I --

14 Q Okay,

15 A I don't know whether he was aware that between 1:00
16 and 3:00 **that he was medically sick**, I'm not sure.

17 Q Uh huh. Are you saying that your opinion regarding
18 whether you're critical of Dr. Lee might change if
19 you became aware of Dr. Lee's knowledge?

20 MS. HENRY: Objection.

21 A I don't know, but whatever happen before I
22 contacted -- I don't know what happened, you know, I
23 just can't say what -- only what would have happened
24 after the patient got in my care.

25 Q Okay. What medical information -- let me put it this

1 way; if you had a pulse ox on Mr. Lavelly of 53
2 percent earlier, at, say, 3:00 when you took over his
3 care, would you have then chosen to transfer him to
4 the ICU?

5 A (Nod indicating yes)

6 Q You would?

7 A I would have.

8 Q Is that because of the pulse ox?

9 A Also depends on the blood gases and all that. There
10 are other things, too, so many people we admit with a
11 low pulse ox, you know, and then with the pneumonia,
12 they get better with the oxygen level or just oxygen,
13 simple oxygen, but, again, you need the whole blood
14 gases and all that, you know.

15 Q Uh huh.

16 A To decide whether the patient needed to be in
17 Intensive Care or what, you know. So many we treat
18 on the medical floor with hypoxia.

19 Q Are there certain hospital criteria or protocol for
20 the transfer of a patient to an Intensive Care Unit?

21 A It just -- I'm sure there are some policies there,
22 but **it's** different with different physicians and how
23 they feel about it.

24 Q So your answer is you are sure there are some things
25 in writing, but that it depends on the physician?

1 MR. LAVALETTE: Objection.

2 A It depends on the physicians, you know.

3 Q And you are at Firelands, you have occasion to admit
4 patients to ICU; is that correct?

5 A Yes, I do.

6 Q What criteria do you use for admitting a patient to
7 ICU?

8 A Well, it depends on how clinically the patient is
9 doing.

10 Q Sure.

11 A And, of course, you know if the patient is in shock
12 or is in respiratory distress, it depends, you know,
13 on how much respiratory distress there is.

14 Q Let's talk about that. How much respiratory distress
15 would there need to be for you to consider a transfer
16 to ICU?

37 A Any respiratory rate of over 55 or 60, you know, I
18 will consider patient to be transferred. I ;
19 depends on a lot of things, you know, age of the
20 patient or, you know, age, whether other sickness are
21 in the Ward or things that can affect the patient,
22 sometimes the family doesn't want patient to be
23 transferred to Intensive Care, even if respiratory
24 distress. There are so many things you have to
25 think, you know.

1 **a** Okay. Would you consider a patient with a pulse of
2 88, respiration at 60 with difficulty breathing to be
3 a stable patient?

4 **A** Patient is sick, but sometimes he doesn't need to be
5 in Intensive Care as long as we are taking care of
6 oxygen and trying to treat the respiratory --
7 shortness of breath or respiratory distress or we
8 have to give him the oxygen, not necessarily, you
9 know

10 **Q** Okay. So what I'm trying to understand is at what
11 point does that respiratory distress lead you to
12 admit that patient to ICU?

13 **A** The patient needs to be intubated or presuming that
14 the patient will eventually need to be intubated or
15 those kinds of things.

16 **Q** And the intubation parameter is a low Ph, a high
17 PCO2, and hypoxia, correct?

18 **A** Yes.

19 **Q** Okay.

20 **A** It depends on the Ph, how low it is, too.

21 **Q** You prepared an affidavit as part of a pleading in
22 this case, do you recall that?

23 **A** I have some idea, yes.

24 **Q** And the affidavit of -- well, does that look
25 familiar to you?

1 A Yes.

2 Q And in that affidavit you stated that you immediately
3 had Mr. Lavelly transferred to Three North and ordered
4 that he be started on 100 percent oxygen.

5 A I don't think, maybe it's not 100 percent. I ordered
6 the six liters, you know, but later on, a few minutes
7 later, it was changed to 100 percent of oxygen, but
8 it doesn't say what time 100 percent of oxygen was
9 ordered.

10 Q So the affidavit is incorrect in that you did not
11 order 100 percent oxygen immediately upon him coming
12 under your care; is that correct?

13 A A few minutes later it was, you know, it was changed
14 to 100 percent.

15 Q When was it that 100 percent oxygen was ordered just
16 so I'm clear?

17 A 4:15.

18 Q Okay. All right. 4:15. And he came under your care
19 at 3:00, correct?

20 A Yes, 3:00, but he was getting oxygen -- he was
21 getting six liters of oxygen.

22 Q Why did you give him six liters instead of 100
23 percent right off?

24 A Because I did not think that he needed 100 percent of
25 oxygen. I didn't have any blood gases report at that

1 time, and he had some shortness of breath, that's why
2 I ordered oxygen at **six** liters,

3 Q Were you planning to go and see him at some point?

4 A I was.

5 Q When?

6 A After I'm done with the office, which is about 4:30
7 or so.

8 Q About 4:30?

9 A Uh huh.

10 Q Okay.

11 A It depends on what my schedule is and how -- usually
12 I'm done by 4:30 or quarter to five.

13 Q Are you aware of any hospital protocol or policy
14 where a patient is on the psychiatric floor and needs
15 to be triaged by a medical physician how that would
16 happen?

17 MR. LAVALETTE: Objection.

18 A It depends on what the condition of the patient is,
19 you know, it's just different for every patient,

20 Q I'm asking you if you're aware of any perimeters, how
21 soon a patient should be triaged?

22 A I'm not, I do not work on the psychiatric floor, I'm
23 not sure, you know, whether they have any or not.

24 Q Okay. Well, when we first started you said that when
25 you were on call, the perimeters for that is that you

1 be available within 20 minutes; is that what you
2 said?

3 A (Nods indicating yes.)

4 Q You were first contacted at 3:00, correct, and you
5 planned to go see Mr. Lavelly at 4:30; is that what
6 you said?

7 A Uh huh, yes.

8 Q Is that consistent with the hospital guidelines?

9 A It depends, you know, if it is an emergency or real
10 emergency if you think the patient is sick enough and
11 you need to be there if the patient is coding or
12 doing something, in shock or doing something, if they
13 are bad, you know, then, of course, you need to be
14 t l e t i 20 mi But if you think the
15 patient is not that sick, you know, then sometimes
16 you don't even see that patient until the next
17 morning if the patient gets admitted in the middle of
18 the night. If you think the patient is stable
19 enough, you don't need to be there until the next
20 morning.

21 Q You didn't think Mr. Lavelly was that sick, did you?

22 A No, he wasn't.

23 Q Not until?

24 A Not until 4:20 or so.

25 Q And what was different at 4:20 that made you

1 realize --

2 A The blood gases and all that, his respiratory rate
3 was getting higher.

4 Q His respiratory rate was higher?

5 A You know --

6 Q I thought it was about the same?

7 A It was about the same, but because of his blood gases
8 that really made me think.

9 Q You're aware that his respiratory rate at 4:20 was
10 about the same as it was at 1:00?

11 A I wasn't aware of his respiratory rate at 1:00.

12 Q Were you at any point aware of his pulse?

13 A I don't remember it.

14 Q Okay. Were you aware that his temperature was
15 elevating?

16 A 101, yes.

17 Q Okay. Is it true that an alteration in mental status
18 or agitation can be a sign consistent with
19 respiratory distress?

20 A Yes, it can be, but Dan Lavelly was usually -- it's
21 very hard, you know, you **have** to know him, he was
22 always kind of agitated because he was retarded, and
23 then, you know, you cannot predict what he was going
24 to do.

25 Q Can you tell me what the medical process is by which

1 bronchi pneumonia leads to respiratory arrest?

2 A It affects the oxygenation, there are so many
3 infiltrations, and then mucus and all that in the
4 lungs, and then it prevents the oxygenation, and that
5 leads to hypoxia.

6 Q Okay. It can also lead to fatal arrhythmia as well,
7 can't it?

8 A Not necessary, not all the pneumonia.

9 Q Not all the time?

10 A But occasionally, yes, hypoxia can lead to
11 arrhythmias.

12 Q Okay. Was there anything that you knew about Daniel
13 Lavelly in terms of your past treatment of him or this
14 hospitalization that would lead you to believe that
15 his pneumonia and hypoxia was not highly treatable?

16 MR. HART: Objection. Go ahead
17 and answer.

18 A Was not highly treatable?

19 Q Was there anything that led you to believe that --

20 A He had a ventricle septal defect, you know, which is
21 a hole, which he had congenitally, but he was not in
22 failure. Unless it was some kind of end product, you
23 know.

24 Q He was only 26 years old?

25 A He was only 26 years old.

1 Q And I guess my question to you is: Was there
2 anything that you were aware of in his records, as
3 one of his treating physicians, that would lead you
4 to believe that an episode of bronchi pneumonia
5 should lead to is death?

6 MR. HART: No.

7 A No, he was 26 years old, you know, he was mentally
8 retarded, he had VST, but VST was not causing any
9 problems, so he came to me only for small things, you
10 know, skin infections, coughs and colds, but nothing
11 serious, you know.

12 MS. GARSON: Right. Okay. I don't
13 think I have anymore questions. Thank you.

14

15 CROSS-EXAMINATION OF SHAVINDER AHLUWALIA, M.D.

16 BY MS. HENRY:

17 Q Doctor, **as** you know I represent Dr. Lee in this
18 lawsuit. Are you aware of what specialty Dr. Lee
19 practices?

20 A He's a psychiatrist.

21 Q Okay. You did not have any discussions with Dr. Lee
22 on 4/23/96; is that right?

23 A No, I didn't.

24 Q Okay. If a patient in the hospital is under the care
25 of a physician, for example, say, you were caring for

1 the patient and you felt he needed to be transferred
2 to the service of another physician, would you leave
3 orders for the nurse to get in contact with the
4 physician to whom you wanted the patient transferred?

5 A Yes, but Dr. Lee was aware that --

6 Q I just want to know would your procedure be to tell
7 the nurses to contact the physician to whose service
8 you want the patient transferred?

9 A Whose service? I'm sorry, I didn't get it.

10 Q I'm using what's called a hypothetical.

11 A Uh huh.

12 Q Don't even pay attention to this, this is just a
13 general question. Say a patient was in the hospital
14 under your care and you felt he needed to be
15 transferred, for example, to the Psychiatric Unit,
16 would you tell the nurse to contact Dr. Lee and
17 explain the patient's condition and that need for
18 transfer?

19 A That's right.

20 Q So in this particular case Dr. Lee told the nurse to
21 contact you?

22 A Uh huh.

23 Q To advise you of the results of the tests and the
24 needs for transfer to a medical floor, that would be
25 the appropriate procedure?

1 A That's right.

2 Q All right. NOW, in this particular case, Mr. Lavelly
3 does have a psychiatric history and diagnosis; is
4 that right?

5 A That's right.

6 Q And he has had situations in the past where he has
7 had inappropriate behavior as a patient and that sort
8 of thing?

9 A He did, yes.

10 Q That was related to the psychiatric condition?

11 A That's right.

12 Q He was admitted to the hospital on the psychiatric
13 floor; is that correct?

14 A Yes, uh huh.

15 Q Now, it appears that Dr. Lee gave orders at 14:15 for
16 a stat EKG, stat chest x-ray, stat labs, and that was
17 a telephone order at 14:15. Tho e would e

18 appropriate orders for Dr. Lee to give for testing if
19 we was advised of problems with the pulse and the
20 respiration, correct?

21 A Yes, I don't know what did he order, but, yes, it
22 would have.

23 Q Let's try it this way. If Dr. Lee was advised that
24 there was a pulse rate of 88, a respiration of 60,
25 and he ordered a stat EKG, a stat chest x-ray, and

1 stat labs, which were CBC, Electrolytes, SMA 12, UA,
2 and Thyroid, those would be appropriate initial
3 studies to evaluate the patient, correct.

4 A That's right.

5 Q Now, when Dr. Lee received the results of
6 the x-ray -- strike that. Dr. Lee also requested a
7 consult and medical follow-up by you, that would be
8 appropriate for Dr. Lee because he's not a medical
9 doctor?

10 A That's right,

11 Q He's not treating medical conditions, correct?

12 A That's right.

13 Q Now, in this particular case I'm looking at the
14 orders and it states, "At 14:15 transferred to Three
15 North, Three North to call S period," and it looks
16 like, W-A-L-E-N for orders. Who is S. Walen?

17 A I think probably sometimes they just spell my name
18 wrong.

19 MR. HART: Just a few times.

20 Q Do you know a Dr. Walen, or here, let me show you.
21 Do you know a Dr. Walen?

22 A S. Ahluwalia, sometimes they just shorten it.

23 Q Okay.

24 A That is my first initial.

25 Q I was a little confused as to who that was.

1 A Sometimes they use the full Ahluwalia and sometimes
2 they shorten it.

3 Q And the procedure, in this case, was that you
4 accepted the care of the patient and ordered the
5 transfer to the medical floor, it looks like here at
6 2:50, is that accurate?

7 A 2:55 or 3:00, approximately around that time.

8 Q But at that point in time you became the attending
9 physician providing the medical care to Mr. Lavelly;
10 is that right?

11 A Yes, he was transferred to my care, yes.

12 Q And what happens at the hospital is he would be
13 discharged from the psychiatric floor and admitted to
14 the medical floor; is that right?

15 A It depends on how long does it take to transfer and
16 all that, so many factors are there, you know, they
17 have got the orders at this time. It just depends,
18 you know, on which floor, you know, he was taken care
19 of, whether they can find the orderly or --

20 Q I just want to know, would he be discharged off the
21 psychiatric floor and transferred to the medical
22 floor?

23 A Should have been, yes.

24 Q All right. And then when he is admitted to the
25 medical floor there is a Nursing Admission Assessment

1 that is done at that time?

2 A That's right.

3 Q And there is a separate Nursing Assessment done on

4 the psychiatric floor?

5 A That's right.

6 Q Did you talk to Dr. Lee after Mr. Lavelly's death?

7 A No, I haven't.

8 Q Dr. Lee cannot discharge a patient from the

9 psychiatric floor and place him on the medical floor

10 unless there's a physician --

11 A To accept the patient.

12 Q -- to accept the patient, correct?

13 A Yes.

14 Q And all of the information you received about this

15 patient was received through telephone calls from

16 nurses?

17 A That's right.

18 Q That's correct?

19 A Right.

20 Q And once Mr. Lavelly arrived on the medical floor,

21 then the nurses from the medical floor are the ones

22 who would be communicating with you?

23 A That's right.

24 Q Okay. At Firelands Community Hospital, if a patient

25 needed to be intubated, is that something that you

1 could do on the medical floor?

2 A Sometimes we do it on the medical floor and transfer
3 the patient or sometimes just transfer the patient to
4 Intensive Care, you know, it depends on the patient.
5 If we think the patient -- we are anticipating the
6 intubation, then we transfer the patient, so many
7 things, you know.

8 Q But an intubation can be done, if necessary, on the
9 medical floor?

10 A It can be done, yes.

11 Q And who would be the person, what medical
12 professional would be the person to do the
13 intubation?

14 A It depends, you know, sometimes the respiratory
15 therapists do them, sometimes -- whosoever is
16 available, you know, the physicians. Most of the
17 time the respiratory therapist or the physician.

18 Q So the person that would be intubating would most
19 likely be the respiratory therapist or the emergency
20 room physician, correct?

21 A Most of the time, yes, if the anesthesiologist is
22 available or whosoever, the doctor is available, you
23 know, it depends.

24 Q Would you be qualified to do an intubation?

25 A Yes, I would be.

1 Q Do you have to have specific privileges at the
2 hospital in order to be able to intubate a patient?

3 A Usually I do that, I do take care of some of the
4 patients, if they are intubated, but most of the time
5 I call, you know, for somebody else to do the
6 procedure, it depends, or sometimes I transfer the
7 patient to my husband and he does it, you know.

8 Q Let me just ask a general question. Does a physician
9 need to have specific privileges or privilege levels
10 at the hospital in order to do an intubation?

11 A Yes, you have to have -- yes.

12 Q You are not going to have a --

13 A But, again, if somebody is dying --

14 Q Wait, wait, wait.

15 A If you've got to do it, you've got to do it, you
16 know.

17 Q Other than an emergency, in which case anyone who **is**
18 around is going to try to do whatever they can to
19 save the patient, other than an emergency, generally
20 if there is a request to intubate a patient then it's
21 done by physicians that have certain levels of
22 privileges, correct?

23 A That's correct.

24 Q You don't really want a dermatologist doing an
25 intubation or a podiatrist or a psychiatrist doing an

1 intubation?

2 A That's right.

3 Q Did any of the nurses ever tell you that the patient
4 had a gray color?

5 A No.

6 Q Did any of the nurses ever tell you that the patient
7 had cyanotic nail beds?

8 A I don't remember it, you know, that kind of thing.

9 Q You do believe that one of the nurses advised you of
10 his respiratory rate and his pulse of 88 and 60; is
11 that correct?

12 A I remember that, you know, the only thing that stuck
13 in my mind was the shortness of breath, that's all.

14 Q If a nurse calls you and you believe the patient has
15 pneumonia, do you ask them what are the vital signs
16 of the patient?

17 A Yes, we do sometimes, but I don't remember, you know,
18 exactly what the vitals were.

19 Q Do you recall whether or not you would have asked
20 either the nurse on the psychiatric floor or the
21 nurse on the medical floor what specifically Mr.
22 Lavelly's vitals were?

23 A I'm sure I did talk to them, but I'm not 100 percent,
24 I don't remember it, but I knew that he had a fever,
25 that's how I made the diagnosis of pneumonia and

1 shortness of breath.

2 Q I'm only talking about the vitals. Your normal
3 procedure would be to ask them what the vitals were,
4 but you can't recall what you were told in this case;
5 is that right?

6 A I don't recall, you know, what the vitals were.

7 Q All right. You believe you asked, but you can't tell
8 us today what specifically they were?

9 A That's right.

10 Q Okay.

11 A But now I know, of course.

12 Q Yeah. And your office chart just would contain
13 office notes from various visits of Mr. Lavelly to
14 you; is that right?

15 A Yes.

16 Q And you found out that he had gone into respiratory
17 arrest how?

18 A By a phone call.

19 Q Someone called you at your office?

20 A Yes

21 Q And Dr. Nescoda, is he an emergency room physician?

22 A He's in the emergency room

23 Q Okay. You were not giving any opinions that Dr. Lee
24 deviated from the standard of care; is that correct?

25 A I can't say that.

1 Q Okay. And you did review the hospital records before
2 your deposition; is that true?

3 A Yes, as far as my medical chart.

4 Q You didn't look at any other parts of the chart at
5 any time?

6 A Maybe a long time ago, you know, but just probably --
7 I think I did go over it one time.

8 MRS. HENRY: Okay. Thanks.

9 MR. LAVALETTE: That's all you have?

10 MRS. HENRY: Yes.

11

12 CROSS-EXAMINATION OF SHAVINDER AHLUWALIA M.D.

13 BY MR. LAVALETTE:

14 Q Doctor, I'm Pete Lavalette, and I represent Firelands
15 Community Hospital. You indicated that when Mr.
16 Lavelly was -- when you were first notified of his
17 admission, you recall specifically that you were told
18 that he had shortness of breath, and I think you said
19 that he may have had a temperature; is that right?

20 A Yes.

21 Q [REDACTED] You don't specifically recall what vitals you may
22 [REDACTED] have been told?

23 A [REDACTED] Yes.

24 Q Would you agree you may have been advised of the
25 vitals at that point, but you don't recall, to your

1 knowledge?

2 A I don't recall because, you know, as far as I recall
3 that's how I made the diagnosis that he has pneumonia
4 and that he had shortness of breath, has a
5 temperature, but I don't recall, you know, specific
6 vitals.

7 Q Okay. You testified that you believe that the
8 physician's order that is charted as taking place at
9 16:00, you testified that you think you actually gave
10 that order at 15:00; is that right?

11 A (Nod indicating yes.)

12 Q I just want to review, you would agree with me that
13 the nursing notes indicate -- try and refer on your
14 chart, I'm looking at the Nurse Progress Notes for
15 the 23rd of April, '96, with the time of 1330 through
16 1525?

17 A 13 --

18 Q 1:30 through 3:15?

19 A I don't have those notes, I only have after 4:00.

20 Q I'm going to hand you a page out of the nursing
21 notes, and that's what the nurses charted for the
22 time period that would have covered 15:00, when you
23 think that you made that order. If you can read what
24 that says, just read it for yourself.

25 A Okay. "Refuses to rest in bed, watchful of his

1 activity, Dr. S. Ahluwalia notified of chest x-ray,
2 and accepted patient, and patient is transferred to
3 Three North, Dr. Lee notified of Dr. S. Ahluwalia
4 taking patient and of transport, doctors notified by
5 RN, and then at 3:10 to 3:20, or 3:25, sitting on
6 sofa in TV area."

7 Q That's far enough.

8 A So he didn't look that sick, you know, he was sitting
9 and watching and --

10 Q I guess my question is: That doesn't indicate that
11 they received any orders from you at 15:00 on these
12 nursing notes; is that right? You would agree that
13 the nurse did not chart that she received orders?

14 A She did not chart it.

15 Q Okay. And then you earlier said that, I think, you
16 talked earlier -- this is the next page of the same
17 nursing note, 1525, it indicates that patient was
18 transferred from One South to Three North, and I
19 think it indicates --

20 A 3:25.

21 Q I think it indicates that Dr. Ahluwalia was aware of
22 patient's condition?

23 A 3:25, yes.

24 Q Okay. And then if you could turn to your order at
25 16:00 -- apparently Nurse Gearheart has charted that

1 she received a telephone order from you at 16:00,
2 right?

3 A (Nod indicating yes,)

4 Q That's when she charted **it**, correct?

5 A (Nod indicating yes,)

6 Q I'm now going to look at nursing notes from Three
7 North, and I'll let you review these, but I
8 specifically just want you to comment on the entry at
9 16:00. If you could read that for the record,

10 A At 3:50 he **got** on the floor, Three North,

11 Q So **it** took --

12 A Then I was contacted at 3:00 and they took about 25
13 minutes, you know, to get the patient to Three North.

14 Q Specifically at 16:00 I think the nurse indicated
15 that your office called or your office was called
16 it's not clear. Can you read what that says at
17 16:00?

18 A 16:00, Dr. Ahluwalia's office called per Dr. -- per
19 some Gearheart nurse, RN, orders received ABG is
20 strong, by the respiratory therapy and nail beds
21 cyanotic.

22 Q But **it** does indicate, you agree, that at 16:00 **it**
23 says, "Dr. Ahluwalia's office called per --
24 and "order received," right, **it** says that?

25 A **That's** right.

1 Q So this would be consistent with the 16:00 notation?

2 A 3:25 sitting and watching TV, and at 3:00 I was
3 notified, and then 3:50 he was on Three North, took
4 about 25 minutes, which is a reasonable time --

5 Q Okay.

6 A -- to get on the floor, and they contacted me at
7 4:00.

8 a Okay. And it indicates here that you did, in fact,
9 give some orders at 4:00, right?

10 A They notified me and I did give them orders.

11 Q Okay. And you said earlier, I think, you would have
12 criticisms of the nursing staff at Firelands?

13 A This is -- only my criticism is that -- I should
14 qualify it, this says something about oxygen, you
15 kn , and orders, I ordered the six liters and only
16 two liters were given, and then I ordered 100 percent
17 non-rebreathable mask and they took 20 minutes, you
18 know.

19 Q I'm interested --

20 A But this is the only problem I have, but otherwise --

21 Q Sorry.

22 A This is like, you know, a routine thing, you know,
23 especially when the patient is sitting and watching
24 TV, you know, Three North nurse does not think that
25 he is looking sick or does not -- I was not aware of

1 that he was that sick or -- but a routine admission
2 takes that long.

3 Q **Okay.** Thanks. At 16:20, I think -- or 16:15 you
4 ordered 100 percent mask, correct?

5 A (Nod indicating yes.)

6 Q And you said earlier that you were concerned that
7 that did not appear to take place until 16:40; is
8 that accurate? Again, I'll let you read from the
9 nursing notes. There's an entry at 16:40, there is
10 an indication that Dr. S. Ahluwalia -- "of
11 respiratory rate and pulse ~~ox~~ per D. Gearheart,
12 orders received to transfer patient to ICU, 02 on at
13 100 percent." I'll let you review that.

14 A It says 02 on at 100 percent mask, what time -- I
15 don't know what time did they put him on 100 percent.

16 Q That's my question.

17 A It would have been possible that they put **it** on at
18 4:15 or when I ordered **it**.

19 Q So the chart doesn't say specifically when --

20 A It says on, **it** doesn't say started at.

21 Q You would agree that this indicates there was 02
22 on at 100 percent at 16:40?

23 A I did not understand that note.

24 Q So you don't know when the 02 was put on, you have
25 no reason to **believe it** wasn't put on at 16:15 when

1 you ordered **it**?

2 A After reading the chart again, I don't think so.

3 Q Okay .

4 A Is says on at 100 percent.

5 MR. LAVALETTE: Thanks. That's all I
6 have for now.

7 MS. GARSON: Just a couple follow-up
8 questions.

9
10 RECROSS-EXAMINATION OF SHAVINDER AHLUWALIA, M.D.

11 BY MS. GARSON:

12 Q We might as well go back to where we were. Your
13 order from 16:15 is where you ordered 100 percent
14 oxygen, right?

15 A Uh huh.

16 Q Based on the nurses' notes, **it** looks like the **ABG**
17 results were called to your office at 16:20?

18 A Uh huh.

19 Q So then you ordered the 100 percent oxygen before you
20 received the ABG results?

21 A Probably just, you know, going over the previous
22 blood gas, the 3:15 one, what's why I increased the
23 oxygen here.

24 Q Okay. So you are saying that -- you think **it** was the
25 results of the first draw?

1 A First blood gases, and then after I got the results
2 of that I increased it to 100 percent of oxygen,

3 Q What I'm saying is you got the results after you
4 ordered the oxygen?

5 A Probably just a little timing error, you know, I was
6 responding to the first blood gases. The second
7 blood gas wasn't even drawn yet.

8 Q I know.

9 A Yeah.

10 Q Was the basis for your ordering the 100 percent
11 oxygen based on the ABG results?

12 A On the first blood gas.

13 Q So you are saying that this must be an error, that
14 you must have received the results before you ordered
15 the oxygen; is that what I understand?

16 A I ordered the oxygen at 4:15.

17 Q Right. And the results of the test were called to
18 you at 4:20?

19 A Maybe the nurse, you know, instead of 4:15 she put
20 down 4:20. That's what I presume, you know.

21 Q Why are you presuming that?

22 A Because no other blood gas was drawn between 3:58 and
23 4:40.

24 Q Is it fair to say that your order of the 100 percent
25 oxygen was based upon the --

- 1 A First blood gas report.
- 2 Q The blood gas report?
- 3 A Must have been the first blood gas report at 3:58.
- 4 Q I'm going to show you the nursing -- well, you
5 didn't see any written nurses' assessment forms
6 while you were caring for Mr. Lavelly on the 23rd;
7 is that **correct**?
- 8 A No, I did not.
- 9 Q Because all your information was coming by phone?
- 10 A (Nod indicating yes.)
- 11 Q Then this has to be a hypothetical question. If
12 you had been advised and you were aware that at 3:00,
13 if the nurses had told you his temperature was 101.2,
14 that his pulse was **109**, that his respiratory rate was
15 58, that his blood pressure on the right was 144
16 over 70, and his blood pressure on the left was 162
17 over 74, that his respirations were labored, and that
18 his -- you know I'm changing the time to 4:00, not
19 3:00.
- 20 A **Okay.**
- 21 Q And that his respirations were labored, and his
22 nail beds were cyanotic, would your treatment have
23 been any different?
- 24 A Probably not.
- 25 Q NO?

E A No, because not from what I have done. I have
2 ordered the blood gases, I have ordered the oxygen,
3 I have ordered the blood cultures, I have ordered IV,
4 and IV antibiotics, so I don't think it would be
5 different.

6 Q This picture that I've given you, these critical
7 symptoms and signs, do you consider those alone
8 evidence of impending respiratory failure?

9 A Yes, but I'm looking at the blood gases and seeing
10 what it is.

11 Q You can't make that diagnosis of respiratory
12 failure without the ABG?

13 A Shortness of breath, you know, sometimes patients
14 aren't in respiratory failure.

15 Q No, I'm talking about based on the clinical symptoms
16 I just read to you.

17 A His blood pressure is normal.

18 Q Is that what you are saying it is, 144 over 70
19 and 162 over 74?

20 A That's not low, low, it's normal.

21 Q Combined with a -- I'm just going to give you the
22 constellation of symptoms and ask you a couple
23 questions about it. Okay?

24 A Okay.

25 Q **Temperature is 101, pulse 109, respiration is 58,**

1 I've given you the blood pressure, it's 4:00, his
2 respirations are labored, and his nail beds are
3 cyanotic?

4 A Yes.

5 Q Wait, wait. Is that a picture, a clinical picture,
6 of a person with impending respiratory failure, yes
7 or no?

8 A Unless --

9 Q If you can answer it yes or no.

10 A It can be, but I did the blood gases, I wanted to
11 see whether he is going into respiratory failure.

12 Q Did you suspect -- if you had that information
13 wouldn't you suspect impending respiratory failure?

14 A I could have, but, again, I needed the blood gases
15 and all.

16 Q So you can't make that assessment without the blood
17 gases?

18 A You need the blood gases report, and that's what
19 I did, you know. He had the shortness of breath,
20 but so many people have shortness of breath.

21 Q Even with the cyanotic nail beds you wouldn't be
22 able to determine --

23 A He was getting 100 percent of oxygen.

24 MS. HENRY: Okay. I don't have
25 anything else.

HUNTLEY REPORTING SERVICE

419-626-4039

800-247-8360

1 MS. BENRY: There is just a couple
2 things.

3
4 **RECROSS-EXAMINATION OF SHAVINDER AHLUWALIA, M.D.**

5 **BY MS. HENRY:**

6 Q I saw on the record that Mr. Lavelly was at
7 Renaissance House or Renaissance Home, is that a
8 group home?

9 A It's a group home.

10 Q When he would come to his visits at your office
11 would someone from that group home bring him?

12 A Yes.

13 Q Did you ever meet any of Mr. Lavelly's family?

14 A No, I did not.

15 Q Did he ever talk to you about his family or tell
16 you anything about his family?

17 A No, it was very hard to understand him, too, you
18 know just --

19 MS. HENRY: Okay. Thanks -- one
20 last thing.

21 Q Did you ever talk to any of his family members
22 after his death?

23 A No, I haven't.

24 MR. HART: Pete?

25 MR. LAVALETTE: Nothing.

1 MR. HART: We are going to reserve
2 signature? Can we have more than seven days, like 30
3 days to review this?
4 MS. GARSON: Yes.
5 MR. LAVALETTE: Sure.
6 MS. HENRY: Yes.
7 THEREUPON, the deposition was concluded,
8 /s/ _____
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C O R R E C T I O N S

CERTIFICATE

STATE OF OHIO)
) ss.
COUNTY OF ERIE)

I, Dawn Michelle Egbert, Stenotype Reporter and Notary Public within and for the State aforesaid, duly commissioned and qualified, do hereby certify that the within named SBAVINDER AHLUWALIA, M.D. was by me, before the giving of her deposition, first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the deposition as above set forth was reduced to writing by me by means of Computer-Aided Transcription to the best of my ability; that the said deposition was taken pursuant to Notice and was completed without adjournment; that I am not a relative or attorney of either party or otherwise interested in the eventual outcome of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office at Sandusky, Ohio this 5th day of January, 1998.

Dawn M Egbert
HUNTLEY REPORTING SERVICE
Dawn M. Egbert
Notary Public
P. O. Box 1067
Sandusky, Ohio 44870

My commission expires 6/7/01