

THE STATE OF OHIO,)
) SS:
COUNTY OF CUYAHOGA.)

DOC. 6

IN THE COURT OF COMMON PLEAS

CYNTHIA WATSON, etc.,

Plaintiff,

vs.

COMMUNITY HOSPITAL
OF BEDFORD, et al.,

Defendants.

Case No.
109808

- - -

Deposition of AZZAM N. AMMED, M.D., a
Defendant herein, taken by the Plaintiff as if
upon cross-examination before Aneta I. Fine, a
Keyistered Professional Reporter and Notary Public
within and for the State of Ohio, at the offices
of Charles Kampinski Co., L.P.A., 1530 Standard
Building, Cleveland, Ohio, on Monday, the 6th day
of April, 1987, commencing at 1:30 p.m., pursuant
to notice.

- - -



MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS
540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331

APPEARANCES:

Charles Kampinski Co., L.P.A., by:
Charles Kampinski, Esq. and
Christopher M. Mellino, Esq.,

On behalf of the Plaintiff.

Jacobson, Maynard, Tuschman & Kalur Co.,
L.P.A., by:
Robert C. Maynard, Esq.,
Susan M. Reinker, Esq. and
Gregory Gibson, Esq.,

On behalf of the Defendant
Azzam N. Ahmed, M.D.

Kitchen, Messner & Deery, by:
Charles W. Kitchen, Esq.,

On behalf of the Defendant Community
Hospital of Bedford.

- - -

STIPULATIONS

It is stipulated by and between counsel
for the respective parties that this deposition
may be taken in stenotypy by kneta I. Fine; that
her stenotype notes may be subsequently
transcribed in the absence of the witness; and
that all requirements of the Ohio Rules of Civil
Procedure with regard to notice of time and place
of taking this deposition are waived.

- - -

1 AZZAM N. AHMED, M.D.,
2 the Defendant herein, called by the Plaintiff for
3 the purpose of cross-examination as provided by
4 the Ohio Rules of Civil Procedure, being by me
5 first duly sworn, as hereinafter certified,
6 deposes and says as **follows:**

7 ~~CROSS-EXAMINATION~~

8 BY MR. KAMPINSKI:

9 Q. Would you state your full name, please?

10 A. First name is Azzam, A-z-z-a-m, middle
11 initial N like Nancy, and last name is Ahmed,
12 A-h-m-e-d.

13 Q. Where do you live, Doctor?

14 A. I live at Moreland Hills.

15 Q. Your address, please?

16 A. 50 Woodburn Drive, Moreland Hills, 44022.

17 Q. I'm going to ask you a number of
18 questions this afternoon. **If** you don't understand
19 any of them, please tell me, I will be happy to
20 rephrase it. When **you** respond to my questions
21 please do so verbally; she can't take down a nod
22 of your head.

23 A. Okay. Fine.

24 Q. Where were you born, sir?

25 A. I **was** born in Jerusalem Palestine, 1946.

1 Q. And if you would, run me through your
2 educational background.

3 A. Finished high school in Jerusalem.

4 Q. What year is that?

5 A. Finished 1963.

6 Q. How old are you?

7 A. Now 40.

8 Q. 40. Date of birth?

9 A. August 30th, 1946.

10 Q. Okay. After you finished high school in
11 Jerusalem, what did you do after that as far as
12 education?

13 A. I went to Egypt and i finished my
14 pre-med and med school.

15 Q. And at what institutions?

16 A. Alexandria University, Egypt in
17 Alexandria City.

18 Q. And when did go to the Alexandria
19 University?

20 A. 1964.

21 Q. Until when?

22 A. Until '72.

23 Q. And that would be medical school also?

24 A. Correct.

25 Q. All right, And what did you do in terms

1 of education after that?

2 A. I took one year internship in the same
3 university,

4 Q. Okay. And that would be '72, '73?

5 A. That's correct.

6 Q. Then what?

7 A. Then I came to the United States, 1973.

8 Q. Okay. Any educational training nere?

9 A. I joined Barberton Citizens Hospital in
10 Barberton, Ohio for rotating internship.

11 Q. Why is it you came to the United States?

12 A. For education.

13 Q. Okay. How long did you complete your
14 rotating internship?

15 A. One year.

16 Q. And then what?

17 A. And then I joined St. Luke's Hospital
18 for fully training in obstetrics and gynecology.

19 Q. And when was that?

20 A. '74 to '77.

21 Q. Okay. Any additional education after
22 that?

23 A. Courses .

24 Q. What kind of courses?

25 a. Every department , especially infertility.

1 Q. I'm sorry?

2 A. Infertility and high risk pregnancies,
3 laparoscopy, colposcopy, hypnosis, microsurgery.

4 Q. Okay, These would be what Kind of
5 courses, weekly courses or --

6 A, Sometimes week, sometimes days,
7 different,

8 Q. I'm sorry?

9 A. Sometimes weeks and sometimes days,

10 Q. And they would be taught at St. Luke's?

11 A. No. That's postgraduate training.
12 That's after the residency.

13 Q. All right, While you were at St. Luke's
14 you are talking about?

15 A. No. I said after the residency. I
16 finished residency in 1977, July and I educate
17 myself, I go to courses all the time.

18 Q. Well, give me the names of some of the
19 courses you went to and when you went to them.

20 A. Microsurgery for infertility, went to
21 Mt. Sinai Hospital, took courses.

22 Q. Okay. What else?

23 A, Went to high risk pregnancy.

24 Q. Where is that?

25 A. Columbus, Ohio.

1 Q. Who taught that?

2 A. Dr. Zeispan.

3 Q. Dr. Zeispan?

4 A. Zeispan.

5 Q. Can you spell that?

6 A. X-e-i-s-p-a-n.

7 Q. Okay.

8 A. Went to laser seminars in Grant Hospital
9 in Columbus.

10 Q. What years are we talking about now,
11 Doctor? After 1977?

12 A. Yes.

13 a. All right.

14 A. Every year I go to seminars, every year.

15 Q. When is the last one you went to?

16 A. Last one, just about **six** weeks ago.

17 Q. And what was that?

18 A. This was in using of computer and
19 medical technology in Indianapolis.

20 Q. And how long did that seminar last?

21 A. Three days.

22 Q. What medical societies do you belong to,
23 sir?

24 A. I'm a member of The American College of
25 Obstetrics and Gynecology, I am a member of The

1 American Society of Gynecological Laparoscopy, I
2 am a member of The Academy of Medicine of
3 Cleveland, I am a member of The Cleveland Society
4 of Obstetrics and Gynecology .

5 Q. Were you members of these organizations
6 in 1985 also?

7 A. Yes.

8 Q. All right. Do you regularly subscribe
9 to any journals in obstetrics and gynecology?

10 A. Yes.

11 Q. What are they?

12 A. Journal -- green journal of the American
13 College of OB-GYN, The Female Patient, Fertility
14 and Sterility, Productive Endocrinology. Yes.

15 Q. Are you Board-certified?

16 A. Yes, I am.

17 Q. When were you Board-certified?

18 A. November 1979.

19 Q. Why did you stay here after your
20 training at St. Luke's was completed?

21 A. Stay where?

22 Q. In the United States?

23 A. What does it make any relevance to you?

24 MR. MAYNARD: No. He is entitled
25 to ask you because you indicated to him that you

1 came here for training and he is entitled to ask
2 you if there is a reason why you -- answer him if
3 there is a reason.

4 A. That's a big story. I don't think I
5 will go to it actually.

6 Q. I have **got** plenty of time.

7 A. You have got plenty of time?

8 Q. Yes.

9 A. By birth, I'm a Palestinian by birth.
10 When I left my country it was 1964. It was still.
11 under the portion controlled by Jordan. The Six
12 Day War happened in 1967 and Isreal occupied that
13 part of the country.

14 dk. MAYNARD: You know, you don't
15 have to be overdetailed. If there are political
16 reasons you can tell him that but you don't have
17 to tell him the whole story.

18 A. I **could** not go back there. I was
19 prohibited to see my family completely. That's
20 the reason.

21 Q. Did you make attempts to go **back** there
22 to practice medicine?

23 A. Yes. I tried many times to go there.
24 So anyone who left the country after 1967 was not
25 allowed to go back, so I have been separated from

1 my people from that time on.

2 Q. Did you become a United States citizen?

3 A. Yes, I am.

4 Q. When was that?

5 A. 1982.

6 Q. When did you receive your Ohio medical
7 license, Doctor?

8 A. 1977. May of 1977,

9 MR. MAYNARD: I'm sorry?

10 THE WITNESS: May of 1977,

11 Q. When did you start seeing Cynthia Watson,
12 Doctor?

13 A. Actually the first time I got in touch
14 with her, when she was pregnant in the second
15 pregnancy.

16 Q. How is it that you came to see her? Did
17 she come to you or what happened?

18 A. She was really seeing a physician I got
19 an association with after my residency. I work
20 with a physician by the name of Dr. Luczak. He
21 was looking for a physician to help him in his
22 practice, busy practice and she was --

23 Q. L-u-s-a-k?

24 A. L-u-c-z-a-k.

25 Q. All right.

1 MR. KITCHEN: Where **was** he located?

2 THE WITNESS: 25350 Rockside Road.

3 Q. And what **was** your association with him?

4 A. As a potential partner, what do you call
5 it, association.

6 Q. Did you ever become a partner with him?

7 A. No.

8 Q. Why not?

9 A. I left him after **two** years.

10 Q. You were located **at** his offices on
11 Rockside Road?

12 A. That's correct. Left that building and
13 I **took** over.

14 Q. He left? Where did he go?

15 A. He went to Solon. I don't know the
16 address but it's in the Solon area.

17 Q. Is he still there?

18 A. Yes, still there.

19 Q. Is that what you did right after your
20 residency training?

21 A. Yes. I joined the practice of
22 Dr. Luczak from July 1st, 1977 until August 30th,
23 1979. Then I went on my own.

24 Q. But at that same location, the Rockside
25 Road address?

1 A. Until about, until the first of the year
2 myself.

3 Q. Until January 1st of '80?

4 A. Right.

5 Q. All right. What did you do then?

6 A. No. I stayed in that building until
7 January 1st, 1987.

8 Q. Oh, I see. You have just recently moved
9 then?

10 A. Yes .

11 Q. And where have you moved to?

12 A. I moved to 108 -- 10820, Twinsburg.

13 Q. I'm sorry.

14 A. 10820 Ravenna Road, Twinsburg, Ohio.

15 Q. And what is the name of your practice,
16 Doctor?

17 A. **Women's** Comprecare.

18 Q. Women's what?

19 A. Women's Comprecare.

20 Q. Spell that for me.

21 A. C-o-m-p-r-e, care, c-a-r-e.

22 Q. One word, Comprecare?

23 A. Yes, one word, Comprecare.

24 Q. Is that a corporation?

25 A. That's a corporation, yes.

1 Q. When did that corporation come into
2 existence?

3 A. I believe 1981.

4 Q. And who are the shareholders of the
5 corporation?

6 A. Myself only.

7 Q. You are the sole shareholder?

8 A. Right.

9 Q. And who are the employees of the
10 corporation?

11 A. We have my wife the secretary and I'm
12 the president.

13 Q. Any others?

14 A. No.

15 Q. And what is the business of this
16 corporation?

17 A. Medical care.

18 Q. All right.

19 A. Specialized medical care.

20 Q. Have there ever been any other
21 shareholders?

22 A. No.

23 Q. in 1985 were there other employees?

24 A. Employee of the corporation, yes, but --

25 Q. Who?

1 A. Secretaries.

2 Q. Okay. No other physicians?

3 A. No. No physicians.

4 Q. Where did you have privileges in 1985,
5 Doctor?

6 A. Mainly Bedford Community Hospital,
7 Marymount Hospital, Suburban Community Hospital,
8 Geauga Community Hospital and that's about it.

9 Q. Do you have any privileges at any
10 additional institutions at the current time?

11 a. I got privileges at Parma.

12 Q. Parma?

13 A. Parma Community Hospital.

14 Q. And when was that?

15 A. It was about two months ago.

16 Q. Did you ever have any association with
17 Kaiser?

18 a. None.

19 Q. Have your privileges ever been suspended
20 or revoked at any hospital?

21 A. Never.

22 Q. When did you commence an association
23 with Bedford Community Hospital?

24 A. July of '77.

25 Q. And what was the nature of your

1 association at Bedford?

2 A. Courtesy staff.

3 Q. Did that ever change?

4 A. I believe **six** months later I was
5 promoted **to** active staff according to the bylaws
6 of the hospital,

7 Q. Okay. Did you have to fill **out** an
8 application?

9 a. **No.** Spontaneous.

10 Q. Well, originally did you have to **fill**
11 out an application?

12 A. You have to, **absolutely.** That's
13 standard.

14 Q. **Okay.** Did you ever have **to** reapply for
15 privileges?

16 a. Not at the hospital, no.

17 Q. You never had to --

18 A. **No.**

19 Q. Submit annual applications for staff
20 privileges?

21 A. Yes, we **do**, every year.

22 Q. Okay.

23 MR. MAYNARD: Understand his
24 question **was** do you have to submit.

25 THE WITNESS: Renew.

1 MR. MAYNARD: Yes, I understand
2 that. And he understands that. The question is
3 did you have to submit anything in writing every
4 year by way of an application?

5 A. Hospital sends application requesting
6 the physician would like to renew his membership
7 to the hospital or any status, so we have to fill
8 it out and sign it. That's all.

9 Q. Did they ask you about prior lawsuits on
10 those applications?

11 A. Yes, they do.

12 Q. And you tell them that, of course?

13 A. Absolutely, Correct.

14 Q. How many have you had, Doctor?

15 MR. MAYNARD: Objection. Go ahead,
16 you can answer.

17 A. None ,

18 Q. Is this the only suit pending against
19 you? Is that correct, Doctor? Is that your
20 testimony, sir?

21 A. Pending, no, This is the first suit I
22 have but there's some pending suits.

23 Q. Well, I don't understand what you're
24 saying, Have you ever been sued other than this
25 suit, sir?

1 A. No .

2 Q. You have never been sued?

3 A, No .

4 MR. MAYNARD: You are talking about
5 prior to this suit?

6 A. Prior to this suit I never been sued.

7 Q. How about afterwards?

8 A. Yes, there's some pending suits.

9 Q. What are the names of those doctors?

10 MR. MAYNARD: Objection. Go ahead,
11 you can answer.

12 THE WITNESS: Okay. Names
13 mentioned or mention names or what?

14 MR. MAYNARD: If they are filed
15 lawsuits go ahead and mention the names.

16 THE WITNESS: One patient.
17 Actually, she sued after she had --

18 MR. MAYNARD: Just tell him the
19 names; that's all he wants to know.

20 A. The names. Tina Jones and Terry Hunter.

21 Q. Are those the only two, Doctor?

22 A. Yes, that's the only two.

23 Q. Not another one somewhere?

24 A. No .

25 Q. And why don't you tell me the situation

1 regarding Tina Jones that resulted in the patient
2 filing a lawsuit?

3 A. Tina Jones, she --

4 MR. MAYNARD: Objection again.
5 Objection.

6 MR. KAMPINSKI: You can have a
7 continuing objection,

8 MR. MAYNARD: Just give him a
9 general description. ~~Me~~ doesn't want all the
10 details.

11 A. General description, routine standard
12 care, she had a Cesarean section done once and
13 then other Cesarean section done with the second
14 baby. She requested a sterilization. ~~We~~ did
15 excise portion of her tube, we call it bilateral
16 partial salpingectomy and is routine and standard.
17 A year later she comes back with a tubal pregnancy.

18 Q. Okay. That was a failed --

19 A. We took care of her completely, When
20 went home, beautiful and she went to suit. That's
21 it.

22 Q. How about Terry Hunter?

23 A. Terry Hunter, she had two normal healthy
24 deliveries, delivered by myself. Requested a
25 sterilization. ~~We~~ did put Hulka clips, different

1 way of tying the tubes. Six months later she got
2 pregnant and she has a beautiful baby boy.

3 Q. These sterilizations were requested I
4 take it by the patients?

5 A. Correct.

6 Q. Any other suits that have come to mind,
7 Doctor?

8 A. No.

9 Q. Is that the only *two*? The referral of
10 Miss Watson to yourself you started to say
11 occurred because of your relationship with Dr. --

12 MR. MAYNARD: Luczak.

13 Q. Luczak. Was this part of the agreement
14 in terms of your going with him that you would get
15 referrals from him?

16 a. No .

17 Q. How is it that he referred her to you?

18 A. When I split actually the patient know
19 where I was located and they have the right to go
20 wherever they want,

21 Q. No. Doctor, I'm sorry. I'm sure you
22 didn't understand my question. In terms of the
23 initial referral when he first referred Miss
24 Watson to you.

25 A. No, he did not refer it to me actually.

1 Q. Bow did she come to you?

2 a, We were working together and what
3 happened that I was on call the night I delivered
4 her first baby.

5 Q. Okay. So that's how you first met her,
6 by delivering her first baby?

7 A. No. I took care of her prenatally.

8 Q. Bow is it that you took care of her
9 prenatally?

10 A, The protocol is such in the office that
11 we see her one time, he see her one time, so if
12 either one would be that night in the hospital she
13 would know who the physician to get herself
14 oriented.

15 Q. So she was a patient of the office?

16 A. That's correct.

17 Q. All right. What was the office name at
18 that time?

19 A. Steven E. Luczak, M.D., Inc.

20 Q. And you were an employee of this
21 corporation?

22 A. That's correct.

23 Q. When he left that facility, I take it
24 you were no longer an employee or were you?

25 A. No. I left him, He didn't leave me.

1 Q. All right. He physically left the place
2 where you were at though?

3 A. That's later on.

4 Q. That's later on?

5 A. Yes.

6 Q. You stayed there together even though
7 you physically -- all right. You explain to me
8 what happened with Dr. Luzcak. All right. Why
9 don't you just explain.

10 A. Well, after two years of practice really
11 I felt I can do what I want to do as a very
12 educated man and certain thing he could not offer
13 to the office so we just split it together.

14 Q. Who was a very educated man, him or you?

15 A. Myself. Myself.

16 Q. Okay. Go ahead.

17 A. He was occupying a portion of the bank
18 building and second floor so I requested the back
19 if they give me part of the first floor which they
20 offered to me and I started practicing in the same
21 building, same address but different offices.

22 Q. And he was also there but on the second
23 floor?

24 A. Yes.

25 Q. And then he left later on to go to

1 Solon?

2 A. That's correct. And then I moved into
3 his place.

4 Q. Okay, And you were there until **just** a
5 few weeks ago or months ago?

6 A. That's correct,

7 Q. All right. You also delivered Miss
8 Watson's second child , didn't you?

9 A. Yes, I did.

10 Q. And so I take it that you **saw** her for a
11 number of years before the delivery of her third
12 child?

13 A, Yes, I did.

14 Q. All right, Were there any problems in
15 terms of your caring for her or her children?

3.6 A. Nothing unusual .

17 Q. All right. How about during the
18 pregnancy of the third child?

19 A. Nothing unusual, absolutely.

20 Q. **You** knew that there **was** a history of
21 diabetes in her family?

22 A, It was called in the chart, **yes** .

23 Q. But there were no problems associated
24 with the third pregnancy?

25 A. "None at all.

1 Q. Generally speaking, Doctor, do you
2 attend a patient when she is in labor prior to
3 delivery? Will you go in and check OR her
4 periodically?

5 A. That is routine .

6 Q. How often would you say?

7 A. It depends on the circumstances.

8 Q. Well, in the circumstances where there's
9 been meconium staining, how often would you check
10 on her?

11 A. Still depends on the circumstances.
12 Meconium staining does not mean that the baby is
13 really in jeopardy.

14 Q. How about poor beat to beat variability
15 with meconium?

16 A. You monitor the baby very well.

17 Q. Doctor, if you don't understand my
18 question I will be happy to rephrase it. I ask
19 how often you personally would attend the patient.
20 Do you understand that, sir?

21 A. No, I don't, really, There's nothing
22 now often. Do you stay with the patient or don't
23 you stay. That's the question would be.

24 Q. How often did you see Miss Watson on the
25 morning of --

1 A. I did not leave Mrs. Watson at all since
2 I came to the hospital at 8:30 in the morning.

3 Q. And you were in the room with her till --

4 A. No. I was in the hospital premises.

5 Q. I'm sorry. How many times did you go in
6 the room from 8:30 till 11:00?

7 A. I cannot recall.

8 Q. Well, you can **look** at the record if you
9 want.

10 A. The original chart is there, sir. I
11 think initially I saw the patient at 8:30 in the
12 morning and then about 10:30.

13 Q. 10:30?

14 A. Uh-huh.

15 Q. Where were you between 8:30 and 10:30,
16 Doctor?

17 A. I was in the hospital.

18 Q. Where? Doing what?

19 A. Taking care of patients.

20 Q. Who was taking care of **Hiss** Watson?

21 A. The nurses,

22 Q. Did they do a good **job** of taking care of
23 her?

24 a. Very good job.

25 Q. Did you **yell** or scream at them when you

came in at 10:30?

2 A. I don't do that.

3 Q. No?

4 A. No.

5 Q. What condition did you find your patient
6 in when you came back at 10:30, Doctor?

7 MR. MAYNARD: You can consult the
8 records.

9 Q. Oh, absolutely,

10 A. I recall that.

11 MR. MAYNARD: Go to the nursing
12 note and make sure that you are accurate in your
13 answer.

14 Q. If you have a recollection you can tell
15 me from your recollection, Doctor.

16 A. Actually at 8:30 when --

17 MR. MAYNARD: He is asking you
18 10:30. What was her condition at 10:30.

19 A. 10:30 actually she start showing slight
20 progress in labor. The fetal heart rate was not
21 ideal at that time and it didn't change from the
22 moment I did see her initially.

23 Q. It did or didn't?

24 A. It did change,

25 Q. How did it change, Doctor?

1 A. Start showing more fast heartbeat, call
2 it tachycardia. At the same time slight change in
3 the beat to Seat variability.

4 Q. What was the change, for the worse or
5 for the better?

6 A. No, for the worse.

7 Q. How was it between 8:30 and 10:30? Have
8 you reviewed the chart?

9 A. It was acceptable.

10 Q. Acceptable?

11 A. Right.

12 Q. What do you see on a monitor chart when
13 you have poor beat to beat variability, Doctor?

14 A. Please rephrase your question. I don't
15 understand.

16 Q. What do you see, what would you
17 physically see on a monitor strip when you had
18 poor beat to beat variability? Would you see a
19 straight line? I mean would you see jagged jumps?
20 What would you be looking at?

21 A. Well, there's variation actually to the
22 beat to beat variability. From increase to
23 average to decrease to fixed base line which is
24 straight line,

25 Q. What did --

1 A. The worst is a straight line, actually.

2 Q. So if you see a fairly straight line
3 that's the worst?

4 A. That's correct.

5 Q. Why don't you pull out the monitor strip
6 and let's go through it, Doctor. You just took
7 this out of a packet that was marked Exhibit 3C,
8 correct, sir?

9 a. Yes. It says so.

10 Q. All right. And what time does it start,
11 Doctor?

12 A. It should have started on my -- after I
13 installed the internal fetal monitor.

14 Q. That was 8:30, wasn't it?

15 A. It should be.

16 Q. That was when you noted thick meconium
17 staining?

18 A. That's correct.

19 Q. By the way, what does that reflect when
20 you see thick meconium staining?

21 A. It reflects an episode of hypoxia did
22 happen in the past.

23 Q. And what does that mean for the child,
24 that there's a lack of oxygen, asphyxia?

25 A. This means that an episode of in a

1 either short time or long time hypoxia did happen.

2 Q. Once again, does that mean that the baby
3 is not getting enough oxygen?

4 A. That he might have a short period of
5 time without oxygen, doesn't mean that he
6 consisted without oxygen.

7 Q. And is that why you put the monitor on,
8 to determine how the child was doing?

9 A. That's correct,

10 Q. Are there other tests for determining
11 how the child is doing such as pH scalp sampling?

12 A. Yes.

13 Q. Is that available at Bedford Hospital?

14 A. No. No, it wasn't available.

15 Q. Well, as a very educated man such as
16 yourself knows, that's a pretty good test for
17 determining acidosis on the part of the child,
18 isn't it?

19 A. One of the better tests.

20 Q. At any time in your dealings at Bedford
21 did you ever make a request to have that available
22 for you so you could treat your patients better by
23 having that test available?

24 A. No.

25 Q. Why not?

1 A. We were using our clinical judgment, our
2 interpretation for the fetal monitoring and we
3 never have a problem.

4 Q. Did you have a problem here?

5 A. No, we did not.

6 Q. So the child turned out fine, right?

7 A. Which child?

8 Q. Miss Watson's child?

9 A. Sarcastic remark, right?

10 MR. MAYNARD: Rephrase the question,

11 Q. You determined that your clinical
12 judgment was better than having a pH test
13 available. Is that your testimony, sir?

14 a. My judgment was right for this baby here.
15 We moved and we did everything possible.

16 Q. Doctor, if you can answer my question
17 we'll get along much better, and that is whether
18 the pH sampling was not as good as your clinical
19 judgment; is that what you're saying to me?

20 MR. MAYNARD: Objection to the
21 form of the testimony. He said they didn't even
22 have pH scalp --

23 (2. My question is why didn't You ask for
24 one and you and your colleagues determined your
25 clinical. judgment was fine?

a. That's correct,

Q. See you determined that you could make decisions based upon clinical judgment as opposed to having a pH scalp sampling test?

a. We did judgment for a long time and it was correct all the time.

Q. What are the time intervals that we're looking at, Doctor, in terms of the various squares? There's numbered sections, correct, and there are three boxes basically in the numbered section broken down into six additional boxes, right?

A. (Indicating).

Q. What kind of time frame are we looking at in each of these boxes?

A. Depends on the machine, Sometimes you can have --

Q. Let's talk about this machine, Doctor, the one that you are dealing with at Bedford Hospital, What kind of time frame are we talking about?

A. Every three frame, **one** minute.

Q. Every three frames a minute?

A. Uh-huh.

Q. And it started at 8:30?

1 a. Well, precisely. Maybe after the
2 membrane, the water of the baby was broken and
3 then it takes a few minutes to install the fetal
4 scalp electrode.

5 Q. And it's got a time on here, doesn't it,
6 8:42?

7 A. That means this one is started, right.

8 Q. And then you are saying each three
9 frames is one minute?

10 A. Each three centimeter is a minute.

11 Q. Each three centimeters is a minute?

12 A. That's correct.

13 Q. I see. So each one of these numbered
14 sections would be three minutes? Is that what
15 you're saying?

16 A. (Indicating).

17 Q. All right.

18 A. I think there's three centimeters, yes.

19 Q. You tell me, Doctor. I'm asking you,
20 you are the doctor.

21 MR. KAMPINSKI: Mr . Maynard, please.
22 Let him answer.

23 , MR. MAYNARD: I'm not sure he
24 understands your question.

25 Q. I'll spend as long as we have until we

1 both understand the question. I'm not trying to
2 trick you. This appears to start at 8:42, correct?

3 A. Right.

4 Q. My question is, each one of these small
5 **boxes**, the smallest ones on the page, do you know
6 what increment **of** time is measured by that box,
7 the smallest one?

8 A. AS far as I know, the whole **box** here is
9 one minute,

10 Q. All right. When **you** say the whole box,
11 she can't take **down --**

12 A. The two heavy lines, whatever included
13 between is one minute time.

14 Q. And there's six tittle boxes?

15 A. So divide the six, this means ten
16 seconds.

17 Q. All right. So each little box is ten
18 seconds, each big box is --

19 a. One minute.

20 Q. And so we have got three minute segments
21 on each numbered portion of the monitor tape,
22 correct? Is that right?

23 A. Fine.

24 Q. Okay. Was the tape ever turned off? Do
25 you know, Doctor?

1 A. Not as far as I know from the time we
2 installed the electronic scalp fetal monitor, no.

3 Q. When it was first turned on, and if we
4 look at let's say the first, I don't know, about
5 ten minutes approximately, what does the tape look
6 like? is that a good beat to beat variability?

7 A. Average.

8 Q. That's average?

9 a. Right.

10 Q. Okay. And that would encompass squares
11 02906 to let's say 02909? Your testimony is that
12 that reflects an average beat to beat variability?

13 A. Correct.

14 Q. All right. By the way, you weren't here
15 looking at this monitor tape during the period of
16 time that we're looking at now, were you, Doctor?

17 MR. MAYNARD: You mean
18 contemporaneously?

19 MR. KAMPINSKI: That's right.

20 A. The first time I didn't, that's why.
21 After I installed the electrode on the baby's
22 skull I did monitor the baby when I filled out the
23 fetal heart rate is okay. I just left at that
24 time and I informed the nurse at that time to
25 inform me if any changes.

1 Q. Fetal heart rate is only one of the
2 indications, the other one that you are looking
3 for is decelerations, beat to beat variability,
4 right, Doctor?

5 A. According to the segment what I see here
6 we look at two things here mainly.

7 Q. when you say here, I want you to be as
8 specific as possible so we all know later on what
9 it was you were talking about. When you say here
10 you are now pointing to the same segment?

11 A. From 06 to 09.

12 Q. Okay. Go ahead. I'm sorry. You are
13 looking for two things you said?

14 A. The base line, fetal heart rate. It was
15 around 140 to 150 which is an acceptable limit.

16 Q. Right.

17 A. Second, we're looking for any
18 decelerations which none is shown. Third, we're
19 looking at the beat to beat variability which was
20 an average, acceptable.

21 Q. Once again, the question I asked you
22 before is you weren't here or you weren't --

23 A. Not the total.

24 Q. I'm sorry?

25 A. Not the total recording I was not, no.

1 Q. I think you told me before you weren't
2 there from 8:30 to 10:30 is what you said, right?

3 MR. MAYNARD: Objection. he said
4 after it was started at 8:42 he watched it for --

5 MR. KAMPINSKI: That's what he just
6 said now. Before he said he left at 8:30.

7 MR. MAYNARD: I don't think he said
8 that ,

9 MR. KAMPINSKI: I think he did say
10 that, And I think the Doctor's order that you
11 made was to put the fetal monitor on.

12 Q. (BY MR. KAMPINSKI) Were you even there
13 when it was put on, Doctor?

14 A. Mr. Kampinski, I did it myself.

15 Q. Okay.

16 A. I have to be there obviously, right?

17 Q. I don't know. I wasn't there, Doctor,
18 so I'm asking you.

19 A. I'm telling you if I put it in I have to
20 be there with the patient.

21 Q. Why would you make a doctor's order to
22 put it on then if you did it yourself?

23 You can look at your orders, Doctor, if
24 you want.

25 A. The internal fetal monitor is something

1 the physician put, not the nurses. That is
2 standard in the hospital. This is not the one
3 here.

4 Q. Do you want this?

5 MR. MAYNARD: Do you want this one?

6 A. That order I believe it was given to
7 prepare the internal monitor.

8 Q. What time **was** that given?

9 A. I do not have a recollection of that.
10 Probably I broke the Mater, I told the nurse I
11 need internal monitor, and **only** time if you give
12 them any order they have to translate it into
13 orders in the chart and that's what. So this was
14 not my handwriting. I have to countersign it here.
15 This is standard procedure anyway.

16 Q. Well, let me make sure I understand what
17 you're saying. Is this your writing down here,
18 Doctor?

19 A. That is not my handwriting.

20 Q. Yes, but down here, below that, that's
21 ail your writing?

22 A. That's after the surgery,

23 Q. Whose writing is that?

24 A. **That's** the nurse.

25 Q. What is her name?

1 A. Probably Nancy Dittmer.

2 Q. I'm sorry? Dimmer?

3 A. Dittmer, D-i-t-t-m-e-r

4 MR. MAYNARD: I think you can tell
5 from the nursing notes as well who the nurse is.

6 THE WITNESS: It looks like Nancy
7 Dittmer most likely.

8 MR. MAYNARD: Let's take a look,

9 Q. Why don't you read it out loud, Doctor,
10 so we all know what you're reading.

11 MR. MAYNARD: Right down here.
12 Right in this area.

13 MR. KAMPINSKI: Why don't you read
14 it, Mr. Maynard?

15 THE WITNESS: Which section?

16 MR. MAYNARD: Right here. Placed
17 on internal monitor, Dr. Ahmed.

18 THE WITNESS: That's it.

19 MR. MAYNARD: Signed,

20 THE WITNESS: Nancy Dittmer.

21 MR. MAYNARD: RN.

22 THE WITNESS: Nancy Dittmer, RN.

23 Right.

24 Q. What does that mean, Doctor, now that
25 Mr. Maynard has helped you.

1 a. Excellent. Very nice man.

2 MR. MAYNARD: He means what does
3 the nursing note mean.

4 MR. KITCHEN: He's got an A in
5 reading.

6 MR. MAYNARD: What does the
7 nursing note mean is his question,

8 Q. Does that mean you were there, Doctor?

9 A. Yes.

10 Q. By the way, you told me that you said
11 there were two things but then you told me there
12 were three things **you** looked for. The fetal heart
13 rate and the D cells and beat to beat variability.
14 Is that correct?

15 A. (Indicating).

16 Q. How were the D cells and beat to beat
17 variability in those first --

18 A. There was no D **cell**.

19 **a.** Let me finish my question, Doctor. In
20 the first six minutes, how were they?

21 A. In the first six minutes there was no
22 deceleration.

23 Q. First six minutes starting at 8:42,
24 actually. How were the D cells in that first, two,
25 three, four minutes? How were they?

1 A. There was no deceleration.

2 Q. Don't you need contractions, Doctor?

3 A. She was having contraction.

4 Q. Doctor, the first four minutes, show me
5 a contraction, please. Do you see any there?

6 A. This was the internal monitor and then
7 they have to put the tocometer. This is an
8 electrode which is put on the muscle of the
9 abdomen or the wall *of* the abdomen *to* detect the
10 contraction of the uterus. Usually we start by
11 the electrode and then after we stabilize in the
12 electro-fetal monitor, the fetal heart, they put
13 that gadget and start recording. So at that
14 moment of time there was no recording of the
15 uterine contraction, And even if there *was*
3.6 uterine contraction there was no deceleration. So
17 that's indicative even if there was contraction,
18 no deceleration, so there's no deceleration
19 whatsoever.

20 Q. How about beat to beat variability?

21 a. We mentioned that before.

22 Q. That's okay?

23 A. That's average, yes.

24 Q. Have you reviewed this monitor strip
25 before coming here today, Doctor?

1 A. Yes, I did.

2 Q. When?

3 MR. MAYNARD: You can tell him.

4 A. Probably 1:00 in the morning before.

5 MR. MAYNARD: Even this morning?

6 THE WITNESS: Right.

7 Q. Did you find any area of concern going
8 through it, Doctor?

9 A. The last thing in the monitor, actually,

10 Q. But you don't see anything between 8:30
11 and 10:30?

12 A. No.

13 Q. That concerned **you** at all?

14 A. (Indicating) .

15 Q. Is that correct?

16 A. **Yes.**

17 Q. Beat to beat variability was good
18 throughout?

19 a. Yes. It was good until certain segment
20 of the monitor,

21 Q. Why don't you find that certain segment.
22 And I want you to **look** at all of it just to make
23 sure that there's no confusion later on, according
24 to your testimony. It's all good, right?

25 a. The fact if you **look** at the segment 932

1 and on there starts showing **some** episode of
2 acceleration which is tachycardia.

3 y. Now, where physically do you see that,
4 what frame?

5 a. Frame 32 through 3.

6 Q. Why don't you point it out to me, Doctor?

7 A. (Indicating).

8 Q. And what time would that have been,
9 Doctor?

10 A. It's around probably 10:10.

11 Q. 10:00, 10:10?

12 A. Between 10:00 and 10:10.

13 Q. Did somebody call you then?

14 A. I cannot remember.

15 Q. Well, **look** at the chart.

16 MR. MAYNARD: Back to the nursing
17 notes again.

18 THE WITNESS: I think at that time
19 I think she was receiving an I.V. fluid,

20 MR. MAYNARD: No. We **wants** to
21 **know** is there any indication that you were called
22 at 10:10 by the nurses. That's his question.
23 That's the only question you need to answer.

24 A. No.

25 Q. What time were you called, Doctor, or

1 were you called at all or did you come back
2 because **it** was time to come **back**?

3 A. No. I myself called about 10:30 and I
4 told them what the condition --

5 Q. You called? Nobody called you?

6 A. Well, *see*, what **the** standard is usually
7 if there is some change in *the* fetal heart we have
8 certain procedure we do the patient.

9 Q. There was a change, though, you told me?

10 A. Yes. I say if usually there's a small
11 change we don't get panicky with that. We give the
12 mother oxygen, we change position. If after a
13 **frame** of ten minutes there's **no** change at **this**
14 time, then yes, we call the physician.

15 Q. Did they give her oxygen and change
16 position at 10:10 or --

17 A. Most likely they did.

18 Q. **Could** you show me that in the chart
19 somewhere, please?

20 A. I cannot get **it** out.

21 Q. I'm sorry? You are not finding the note?

22 MR. MAYNARD: He said he cannot
23 find **it** in the note.

24 A. I cannot find **it** in the note.

25 Q. Is that something that's supposed to be

1 put in the note if it's done?

2 a. It should.

3 Q. And it's not there?

4 A. I believe that he did it. I do believe
5 strongly they did it.

6 Q. Well, Doctor, it's not in the note. I
7 mean are you just pulling it out of the air, the
8 fact that you believe --

9 a. Not really in the air because we know
10 how we practice medicine in the hospital; we do
11 that all the time. Once we start thinking
12 anything unusual is happening we just do the
13 routine thing, I.V.'s, positioning and oxygenation.
14 That is standard in the hospital,

15 Q. Fine. Show me where the standard was
16 met.

17 THE WITNESS: Can you help me read
18 this thing, paragraph, please? No. The
19 handwriting. That's all if you just start after
20 the internal monitor was applied.

21 MR. MAYNARD: It says I.V. started
22 in left arm and then there comes the content of
23 the I.V.

24 Q. You are talking about 9:00 now, you are
25 reading from 9:00?

1 A. Between the 9:00 to 10:30.

2 MR. MAYNARD: In other words ,
3 there's -- is there a note? Is there a note
4 between 9:00 and your call at 10:30?

5 THE WITNESS: Not as far as I see
6 in the chart.

7 MR. MAYNARD: Okay.

8 Q. And just to make sure there should be if,
9 in fact, there was oxygen given and position was
10 changed, correct?

11 A. Let me just look at the order sheet. I
12 know it was given but I cannot see it in the chart.

13 Q. Who gave it?

14 A. The nurse.

15 Q. What nurse?

16 A. The same nurse who was taking care of
17 the patient,

18 Q. Dittmer?

19 a. Yes.

20 Q. Should it be noted anywhere else in the
21 chart, Doctor?

22 A. This is really part of the -- your
23 nurses' notes, not mine.

24 Q. Should it be noted anywhere else so that
25 you as a physician when you come back to even look

1 at the chart --

2 A. I can see it.

3 Q. I'm sorry?

4 A. I see it. I don't look at the chart.

5 Q. You see what?

6 A. I see the gas coming to the mother with
7 the mask or the nasal cannula, We know the
8 position of the mother, we know the I.V.'s and
9 this is how we go.

10 Q. So when you came back you saw that?

11 a. Uh-huh.

12 Q. And what time did you come back?

13 MR. MAYNARD: Check your notes
14 again. What time did you come back.

15 a. 10:30 I called. And the nurse told me
16 exactly what I saw, that there was some changes
17 and decrease in the beat to beat variability.

18 Q. All right, You called her, she didn't
19 call you, right?

20 A. That's what the chart says, yes.

21 Q. Okay. And that was at 10:30?

22 A. That's correct.

23 Q. And she told you there was poor beat to
24 beat variability?

25 A. No, she did not say poor.

1 Q. She said there's a decrease?

2 A. Yes, there's a decrease.

3 Q. And where were you in the hospital. when
4 you called?

5 A. You want the exact location where I was?

6 Q. Absolutely. If you can give it to me,

7 A. I cannot remember where I was.

8 Q. All right, Where do you think you might
9 have been?

10 A. in surgery.

11 Q. In surgery?

12 A. Not doing surgery. in surgery suite,
13 actually .

14 Q. How far is that from where Cynthia
15 Watson was?

16 A. Oh, it will be about one and a half
17 minute.

18 Q. How long did it take you to get back to
19 her?

20 A. If I run in the staircase take me about
21 one minute. If I take the elevator, 20 seconds.

22 Q. How long did it take you?

23 MR. MAYNARD: Again, check the
24 record and see.

25 THE WITNESS: Yes, I know.

1 MR. MAYNARD: When you got there.

2 A. 10:30. I came back another 10:50.

3 Q. 10:50?

4 A. Right.

5 Q. That's 20 minutes?

6 A. That's correct.

7 Q. What were doing for 20 minutes, Doctor,
8 after you were informed that your patient was
9 having decreased beat to beat variability and you
10 knew that she had meconium staining early in the
11 morning? What were you doing?

12 A. I cannot really remember.

13 Q. Why weren't you going right away,
14 Doctor?

15 A. Probably most likely I was doing
16 something but I did not feel that there was a very
17 bad situation to warrant a quick action. Because
18 a slight decrease in beat to beat variability does
19 not mean that a big problem happened, actually.

20 Q. What time was the C section finally done?

21 MR. MAYNARD: Look at the
22 anesthesia record.

23 A. I can't read this probably from the
24 nurses' notes.

25 MR. MAYNARD: Is this 11:00?

1 Right?

2 THE WITNESS: Right.

3 MR. MAYNARD: Do you want to look
4 at the --

5 THE WITNESS: I think the nurses'
6 notes are what would show the time.

7 MR. MAYNARD: Here.

8 A. That says what it is. Time started,
9 11:25.

10 Q. 11:25.

11 A. And time finished, at 12:00.

12 Q. What are you looking at to determine
13 what time it started?

14 A. That's the note from the circulating
15 nurse at the time of the Cesarean section.

16 Q. Does the anesthesia record reflect what
17 time it started also?

18 A. It should be somewhere.

19 MR. MAYNARD: They put the time up
20 here.

21 a. They have a special section for it
22 starting and finishing and everything. Time
23 anesthesia started, 11:10 and time operation
24 finished, 12:10.

25 MR. MAYNARD: That's 11:15,

1 Am 11:15.

2 Q. And a circulating nurse indicated when?

3 I'm **sorry**. I just forgot.

4 A. Time start, 11:25.

5 Q. 11:25. And you, **of** course, had a
6 pediatrician in attendance, didn't you?

7 A. No. I have an anesthesiologist,

8 Q. Well, wait a minute.

9 A. And the nurse.

10 Q. You didn't have a pediatrician in
11 attendance?

12 A. No, I did not.

13 Q. Why not?

14 A. I think things moved faster than what we
15 expected and he was called and till they come into
16 the hospital it takes some time.

17 Q. Who did you **call**?

18 A. The nurses did call a pediatrician who
19 was assigned to the lady.

20 Q. Who?

21 A. Dr. Khalil.

22 Q. Knalil?

23 Am Right.

24 **a.** When **did** he get there? **If you** know.

25 **a.** No, I don't know.

1 Q. Was he there when the baby was delivered?

2 A. No.

3 Q. Is there any type of standard operating
4 procedure, Doctor, for having a pediatrician in
5 attendance when you have got poor beat to beat
6 variability and meconium staining and you decide
7 to do a C section?

8 A. Yes. We do call the pediatrician to
9 come in.

10 Q. Why is that?

11 A. To help with the baby. We need extra
12 hand and knowledgeable people to know how to
13 resuscitate the baby.

14 Q. I see. In other words, there wasn't
15 somebody there knowledgeable to resuscitate the
16 baby?

17 A. Yes, there was.

18 Q. Who was that?

19 A. Dr. Barsourn, the chief of the anesthesia
20 department at the Bedford hospital.

21 Q. Why would you call the pediatrician?

22 A. Because the anesthesiologist does not
23 assume the whole responsibility for the baby after
24 the baby is transferred from the delivery room to
25 the nursery.

1 Q. I don't understand. You are telling me
2 that it's standard to call the pediatrician in
3 this circumstance, correct?

4 A. That's correct.

5 Q. To have expert assistance?

6 A. That's correct.

7 Q. Because you expect a problem, don't you,
8 when you do the C section, correct, sir?

9 A. Yes.

10 Q. All right. And that person was not
11 there for this child, **was** he, Doctor?

12 A. The time notice was very short. He **was**
13 called.

14 Q. Well, wait a minute. You got called at
15 10:30, you showed up at 10:50. Aren't there
16 pediatricians in the hospital?

17 A. We did not decide to deliver by Cesarean
18 section yet.

19 Q. Oh, okay, When did you decide, Doctor,
20 to deliver?

21 A. That's when I went upstairs at 10:50, I
22 reviewed the chart and I thought that it's about
23 time to do a Cesarean section right now.

24 Q. What time was that?

25 A* The same time I checked the regainer,

1 10:50.

2 Q. 10:50. And it took 35 minutes before a
3 C section was done. Is that what you're saying,
4 10:50 to 11:25?

5 A. Till the preparation.

6 MR. MAYNARD: Objection. The
7 anesthesia started at 11:15.

8 A. 11:15.

9 Q. Okay. But the actual surgery started at
10 11:25, approximately, correct?

11 A. Yes .

12 Q. And where did Dr. Khalil have to come
13 from? Do you know?

14 A. I know his office is in Chagrin Valley
15 Medical Center .

16 Q. Why didn't you get a pediatrician in the
17 hospital if he had to come from Chagrin Valley
18 Medical Center?

19 A. I honestly don't believe that the
20 pediatrician would make any difference.

21 Q. I didn't ask you why. You can believe
22 whatever you want.

23 A. I was just answering you.

24 Q. My question was why you didn't yet
25 someone in the hospital if that was what was

1 required in the situation. That's my question,
2 sir.

3 A. We do not have a pediatrician who stays
4 in the hospital.

5 Q. Why not?

6 A. There's no reason for a pediatrician to
7 be staying in the hospital.

8 Q. How far away is Chagrin Valley Medical
9 Center from Bedford Hospital?

10 A. Probably half an hour.

11 Q. Why are there two tapes, Doctor? Do
12 they have to reload the machine with paper or do
13 you know?

14 A. Sometimes, yes, sometimes -- it does
15 break when you look at it.

16 Q. I'm sorry?

17 A. Sometimes they do break very easily when
18 you start --

19 Q. They break?

20 A. (Indicating).

21 MR. KITCHEN: Let the record show
22 a demonstration he just made.

23 Q. Did you review this chart before it was
24 released from the hospital, copies were sent to me?
25 Did you review it, Doctor?

1 A. No.

2 Q. Because there's a note in there that you
3 were told that there was a request and you said it
4 was okay to release the records'?

5 A. Yes.

6 Q. You didn't come and look at it?

7 A. No, I did not.

8 Q. The numbering changes, Doctor, from
9 02937 to 02138, why is that? Do you know?

10 THE WITNESS: de said numbers?

11 Q. Yes.

12 A. I don't have an answer. Maybe the
13 loading of the machine they have different stacks
14 or finished a roll or put another one. I have no
15 answer for that, really.

16 Q. Well, if we go from 10:10, Doctor, to
17 10:28, how many minutes would that be, 18 minutes?

18 A. Yes.

19 Q. Okay. And do we have 18 minutes in here,
20 in the interval? Well, actually one of these
21 frames is empty, right, 02138? I mean there's
22 nothing there, right? Do we have any missing time
23 in here, Doctor? Can you determine that for me,
24 sir?

25 A. There's missing time but I can't answer

1 that really. I don't know.

2 Q. How much missing time do we have?

3 N. This is 10:10.

4 MR. KITCHEN: What number are you
5 looking at?

6 MR. MAYNARD: Let the record show
7 that he pointed to panel 2935 and the notation
8 10:10 is on that. Then there's panel 2936 and
9 panel 2937, and panel 2937 ends a little more than
10 two thirds across the way. Panel 2138 is empty
11 and panel 2139 commences at approximately the
12 middle, and then the time at the end of panel 2 --
13 or **two** thirds of the way, panel 2139 reads 10:28.
14 So that's the 18 minute segment he is asking about.

15 MR. KITCHEN: Thank you.

16 Q. I have heard about 18 minutes before.

17 What are we missing, about ten minutes,
18 Doctor?

19 A. Probably.

20 Q. Where is it?

21 N. I don't know. I don't **know**. It didn't
22 even appeal to me to look at this one really. I
23 don't think it makes any difference.

24 Q. When you came down at 10:50, what did
25 you do, did you look at?

1 A. I looked from this one on. This was in
2 the chart. Because I don't think it makes any
3 difference because whatever before and after.

4 MR. MAYNARD: What does this word
5 say?

6 THE WITNESS: Bed pan. She was in
7 bed pan or she was maybe --

8 MR. KAMPINSKI: On bed pan.

9 MR. MAYNARD: Yes.

10 Q. So the monitor would be taken off, right,
11 or would it?

12 A. Obvious reason she might ask for.

13 MR. MAYNARD: The question was --

14 A. I don't know. I cannot answer that,
15 honestly. You know, she's a heavy lady, Maybe
16 she wanted to be relieved a little bit or
17 something, I don't know. But to me it doesn't
18 make any difference because there's a continuation
19 here and there's not much decline actually in the
20 situation, no, Even if the missing part was
21 missing, actually, it doesn't make any difference,

22 Q. Does it get any better?

23 A. It was still acceptable.

24 Q. It was acceptable at that time?

25 A. Yes.

1 Q. Well, then, why did they tell you that
2 at 10:30 that there was reduced beat to beat
3 variability?

4 A. if you could go to the second one, the
5 10:30 one, there was this segment here.

6 Q. When you say this segment here, why
7 don't you give us numbers, Doctor.

8 MR. MAYNARD: He is pointing to
9 panel 2141 and panel 2142,

10 THE WITNESS: Right.

11 MR. MAYNARD: it was an obvious
12 decrease.

13 THE WITNESS: Obvious decrease,
14 right.

15 Q. And it took you 20 minutes to get there
16 after that point in time?

17 A. That's what the record shows.

18 Q. As a matter of fact, the monitor keeps
19 going until what, 11:10?

20 A. Usually just before we do the C section,
21 yes.

22 Q. What was the purpose of keeping the
23 monitor on at that point once you had decided to
24 do the C section?

25 A. That's a standard of care in case

1 something drastic or crises happened, we can move
2 faster than that,

3 Q. Whose writing is this? Do you know?
4 Would this be the nurse again, Dittmer you think?

5 A. Has to be the nurse.

6 Q. Bow many nurses were in there?

7 Usually one nurse assigned to one
8 patient.

9 Q. What did you find when you did the
10 operation, Doctor?

11 MR. MAYNARD: You can refer to
12 your op note, if you want.

13 A. I found still a heavy thick meconium
14 which is covering all the body of the newborn,
15 some meconium in the mouth and nose. The membrane
16 were completely stained with meconium, the
17 placenta was stained with meconium, placenta was
18 looking normal otherwise. The cord was healthy
19 and normal. Uterus, tubes, ovaries, everything
20 was normal.

21 Q. How was the baby?

22 A. The baby was definitely depressed. We
23 give her Apgar score 3. It was definitely a
24 depressed baby.

25 Q. Doctor, you did an operative report,

1 | didn't you, sir?

2 | A. Yes, I did.

3 | Q. Why don't you turn to it in the record,
4 | if you would?

5 | A, I recall it.

6 | Q. In the first paragraph starting with the
7 | second sentence, why don't you read that to me,
8 | Doctor, ana then I want to discuss a couple
9 | sentences in there.

10 | A. This is from the history, right?

11 | Q. Yes.

12 | A. The membranes were broken artificially
13 | and heavy thick meconium came instantaneously.
14 | Immediately an internal fetal monitor was applied
15 | and observation was beat to beat, variability was
16 | diminishing and the baby's heart was on the
17 | tachycardia side between 155 and 165. Positioning
18 | and oxygenation did not improve the condition.
19 | Constant meconium was still passing.

20 | Q. What do you mean by that, Doctor?

21 | A, See, when the baby passes any meconium,
22 | the whole fluid around the baby which sometimes
23 | amounts as much as two to three liters, it does
24 | not come all in one gush. With each contraction
25 | the uterus will push part of this fluid outside.

1 And if the whole fluid was contaminated -- so you
2 continue seeing the change in color of the fluid.

3 Q. I see. So that the whole time from 8:30
4 until you came back at 10:50 there was meconium?

5 A. Once there's meconium there will always
6 be meconium until the baby is born. That's what I
7 try to explain to you.

8 Q. Okay. Go ahead. The next sentence says
9 15 minutes?

10 A. Before the Cesarean section the beat to
11 beat variability was almost gone and Cesarean
12 section was in process, That's all.

13 Q. Well, all right. Explain what you mean
14 by that, 15 minutes before the Cesarean section
15 the beat to beat variability was almost gone.
16 First of all, show that to me on the monitor if
17 you would.

18 A. A few of the segments which we noticed,
19 actually, **some** of these almost getting to the
20 flat type ,

21 Q. Okay, You are pointing at 02151 and
22 02152?

23 A. Uh-huh.

24 Q. Okay. But then you go on to say the
25 beat to beat variability was almost gone and a

1 Cesarean section was in process?

2 A. Right.

3 Q. In other words, you were preparing to do
4 it?

5 A. Because I made the decision 10:50 when I
6 came and this **was** segment about 11:00. So I **was**
7 continuing monitoring the baby meanwhile so that
8 gives me a clue that I was right in my decision to
9 do Cesarean section.

10 Q. And once again, the positioning and
11 oxygenation, **that's** something that you assume had
12 occurred?

13 A. I don't assume. I know I did it.

14 Q. **You** did it?

15 A. Yes, sir.

16 Q. When did you do it?

17 A. This is a standard.

18 Q. When did **you** do it?

19 A. The mother **was** receiving oxygen from the
20 start of the meconium staining.

21 Q. Okay. From 8:30 in the morning she was
22 receiving oxygen?

23 A. Yes, sir.

24 Q. And that **was** continuous?

25 A. **Yes**, sir.

1 Q. All right. So that when you pointed to,
2 what was it, when you pointed to the problem that
3 you noted on the chart earlier and assumed that
4 she was getting oxygenation, that was because you
5 had started her at 8:30 on it, right?

6 A. Yes.

7 Q. Okay. So you --

8 A. Because I ordered I.V. When I order
9 I.V. I order oxygen, we order positioning of the
10 mother all the time to improve the condition of
11 the fetus so we can avoid doing Cesarean if you
12 don't have to.

13 Q. What do you normally see if you have a
14 situation with the child that's asphyxiated even
15 before labor? Would you expect to see a monitor
16 strip such as this or would you expect to see more
17 abnormal findings?

18 A. This is an assumytion question?

19 Q. Yes.

20 A. I don't think I will assume in this case.

21 Q. Doctor, what would you normally expect
22 to see if you had a child that had fetal distress
23 even before the onset of labor, what would you
24 anticipate seeing on a monitor strip? Would you
25 see late D cells?

1 A. Yes, That's the main thing. Now, the
2 mechanism, how things work , actually, the
3 oxygenation will. be preserved to the most
4 important organ in tne body which is the brain and
5 the heart. So for preservation of a human, a
6 procreation from God's system, I can say the heart
7 will slow down **at** the time so it will not use as
8 much as normal. So **it** will resume bradycardia.
9 Bradycardia means lowering of the heart.

10 The second part, with each contraction,
11 because that baby does not have reserve in its
12 body, **it** will show deceleration of the heart more
13 during contraction, so **it** will preserve more
14 oxygen for the brain.

15 So two things **it** will see, bradycardia
16 which is lowering of the heart rate, and
17 persistent late deceleration after each
18 contraction .

19 Q. And none of those are here, are they?

20 A. No .

21 Q. So would you then assume that this child
22 was not asphyxiated **before** labor?

23 A. No . I did not say that.

24 Q. Okay. Was he, in your opinion, or **was**
25 she, in your opinion?

1 a. The insult for this baby definitely
2 happened before the patient came to the hospital.

3 Q. Okay. And what was that insult?

4 A. Some episode of hypoxia which God only
5 knows why causing it. We have no knowledge of
6 absolutely what happened.

7 Q. I see, So in other words, that was the
8 cause of the meconium staining?

9 A. Yes .

10 Q. So that was a clue to you that there was
11 an episode of hypoxia?

12 A. Yes .

13 Q. Were there any additional insults done
14 to the baby during the birth process, the labor
15 process?

16 A. Absolutely not.

17 Q. Absolutely not?

18 A. Absolutely not.

19 Q. Why not? Why do you say absolutely?

20 A. Nothing she had actually in the --
21 nothing did show on the fetal monitoring that
22 insult was a consistency. It was an episode *of*
23 insult which took place, baby continued to suffer
24 from that episode without more insult happening
25 after that.

1 Q. I see. So the earlier that the child
2 could have been delivered I take it the more
3 chance it would have had to survive?

4 A. No. The baby was insulted already.

5 Q. I'm sorry?

6 A. Already insulted by that episode,

7 Q. Oh, so it was dead before it got there?

8 A. Would not say aead. This is your term.

9 Baby was not dead.

10 Q. Well, you are saying insulted and I'm
11 trying to understand.

12 A. The word insult, this means an episode
13 of hypoxia did occur prior to the initiation of
14 labor.

15 Q. Are you saying that there was nothing
16 that could be done from that point in time?

17 a. None. None whatsoever.

18 Q. Nothing?

19 A. None.

20 Q. So no matter what would have been done
21 this child --

22 A. No matter.

23 Q. Let me finish my question.

24 MR. MAYNARD: Let him finish his
25 question.

1 THE WITNESS: Okay.

2 Q. No matter what would have been done this
3 child would have died in your opinion, is that
4 right?

5 A. I didn't say that,

6 Q. What are you saying?

7 A. We did the best --

8 Q. Would it have been brain damaged?

9 A. ~~We~~ did the best that could be done.

10 Q. Doctor, please, listen to my question.

11 A. Yes.

12 Q. I'm **not** asking you what you did or
13 didn't do, I'm asking you what your opinion is
14 regarding the outcome of this child had other
15 things been done. Okay? If this child had been
16 delivered earlier do you have an opinion whether
17 or not it would have been either brain damaged
18 and/or died?

19 MR. MAYNARD: Objection unless you
20 are more specific as to what you mean by earlier.
21 I'm not sure it makes a difference,

22 Q. Let's **say** 10:30.

23 A. No.

24 Q. Okay. 9:30?

25 A. No.

1 Q. 8: 30?

2 A. No.

3 Q. Okay. So it just wouldn't have mattered,
4 this child would have died or been brain damaged
5 in your opinion?

6 A. That's correct. Right.

7 Q. What is thick tenuous meconium staining?
8 Is that different?

9 MR. MAYNARD: I think you got the
10 word wrong.

11 A. It's tenacious.

12 Q. You're right. I do. What is that,
13 Doctor?

14 A. When the meconium passes from the colon
15 of the unborn child usually it comes as a fresh
16 green fluid material. When it stays for a little
17 bit longer and the amniotic fluid which is the
18 water around the baby -- there are some enzymes
19 which do change the color and the consistency of
20 the meconium. If the episode is more than 12
21 hours you get into a thicker type digestion of
22 that protein part of the meconium which becomes
23 mucousy, tenacious. The more the meconium is
24 stained the thicker and the more mucus you are
25 going to find around the baby.

1 Q. Well, what does that mean in terms of
2 how long the child -- or there had been any insult?
3 Does it mean it was recent, does it mean it was
4 long standing or can you tell?

5 A. It has to be within 24 hours.

6 Q. Okay, Is the reason that you do
7 positioning and oxygenation to see if the
8 condition will improve?

9 A. Yes .

10 Q. In your discharge summary, Doctor, you
11 have got a sentence in there, it says after
12 positioning and oxygenation, and then you -- I
13 think you told me that occurred at 8:30, right?
14 That's what you ordered, correct, sir?

15 A. Right.

16 Q. After positioning and oxygenation, the
17 condition did not improve and a Cesarean section
18 was in process. Does that refer to some other
19 time other than 8:30?

20 A. No, it does not, really. This means we
21 did not pursue the vaginal birth and even in spite
22 of everything we could have done --

23 Q. My question is what condition. After
24 positioning and oxygenation, the condition did not
25 improve and a Cesarean section was in process.

1 What condition?

2 A. The strip with the fetal monitor.

3 Q. What time?

4 A. I will not specify time here but this
5 was a conclusion of the whole episode of the labor
6 and delivery.

7 a. I understand, but I'm asking you what
8 condition did not improve after positioning and
9 oxygenation. The condition that was noted at 8:30?

10 A. No. The last episode. The one we
11 discussed before. We were just concluding the
12 summary, Mr. Kampinski, is the conclusion of all
13 the thing what happened. I'm **just** repeating some
14 of the things without going into detail of what
15 happened. Our main concern about the chart
16 summary at that time is to give any complication
17 that happened to the mother after delivery,
18 That's the whole thing, just for the medical
19 record.

20 Q. Why was the baby transferred?

21 A. I think after the whole attempt which
22 was done at Community Hospital the baby had
23 arrested respiratory and cardiac arrest. Baby was
24 revived by CPR ~~zit~~ the same time I think the squad
25 from Rainbow Babies and Childrens was called which

1 definitely any community hospital is not a triage
2 hospital, is a primary hospital. We don't have a
3 facility to take care of these sick kids all the
4 time .

5 Q. Why didn't you transfer her earlier?

6 A. The team was called.

7 Q. What team was called?

8 A. The **squad** from Rainbow Babies and
9 Childrens .

10 Q. What time was the team called?

11 A. I don't have the exact time. Probably
12 in the chart, It **was** called immediately after
13 delivery and it takes some time for them to come
14 from downtown up to southeast.

15 Q. What level hospital is it?

16 A. Our hospital is a primary.

17 Q. Level. one, level two?

18 A. Level one.

19 Q. Level one.

20 MR. MAYNARD: Show an objection to
21 a previous question but I thought you said, quote,
22 "You transferred the patient." At the point where
23 the baby leaves the delivery room I'm not sure
24 it's his transfer. I'm assuming that that's not a
25 major bone of contention here.

1 Q. Did you order the transfer, though?

2 A. No.

3 Q. Who did? Do you know?

4 A. Usually this falls under the
5 jurisdiction of the pediatrician,

6 Q. Pediatrician?

7 A. That's correct.

8 Q. When did he get there? I may have asked
9 that before,

10 MR. MAYNARD: I don't think -- I
11 think he said he didn't know. There are a couple
12 of notes in the record we looked at with times on
13 them. If you want us to point those out I'm sure
14 you know what those are. There's one that has
15 11:30 on it.

16 THE WITNESS: Were. 11:30.

17 MR. MAYNARD: It says Dr. Khalil
18 notified, 11:30. We're looking at the physician's
19 order sheets.

20 MR. KAMPINSKI: Let me take a look.

21 MR. MAYNARD: And there's another
22 place indicating --

23 MR. KAMPINSKI: All right. This is
24 after,

25 MR. MAYNARD: You will see again

1 in terms of the transfer order at the bottom,
2 It's signed by Dr. Khalil.

3 THE WITNESS: Okay.

4 Q. (BY MR. KAMPINSKI) Okay. Was this
5 after the baby, can you tell, was transferred
6 after the delivery? it says routine newborn
7 nursery, correct?

8 A. That's part of the standard of the
9 nursery nurse, to call the pediatrician on arrival
10 of new admission to the nursery which the baby --

11 Q. Wait a minute. I thought you told me
12 that you called the pediatrician?

13 A. I did not say I called personally,

14 Q. Did you tell somebody to call the
15 pediatrician?

16 A. That's part of the protocol of the
17 hospital, The pediatrician will be notified. In
18 any event, we're dealing with a Cesarean section.

19 Q. Did you tell anybody to call the
20 pediatrician? Yes or no, Doctor?

21 A. Yes.

22 Q. Who did you tell?

23 A. I told the nurse who was taking care of
24 the baby and the mother.

25 Q. Dittmer?

1 A. Yes.

2 Q. Okay. So you wouldn't know why then it
3 reflects that Khalil was notified by the nursery?
4 You don't know why that is, do you?

5 A. No, I don't.

6 Q. And you would have told her then at
7 11:50, right? 10:50. I'm sorry. Right?

8 A. Yes.

9 Q. Well, turn to the history and physical
10 examination, Doctor.

11 MR. MAYNARD: I think he is
12 talking about the mother?

13 Q. The mother.

14 A. The mother. Okay,

15 Q. Can you determine, Doctor, when that was
16 dictated and typed at the bottom? March 1st?

17 A. Yes. It was written and dictated and
18 transcribed 3-1-85.

19 Q. All the same day, right?

20 A. Yes.

21 Q. Date of admission?

22 A. Right.

23 Q. And you signed that, didn't you, Doctor?

24 a. Yes.

25 Q. Do you know when you signed it?

1 A. After it come to the chart I sign it.

2 Q. When would that have been? Do you know?

3 A. As soon as the transcriber finish it and
4 bring me the chart, I cannot give you a precise --

5 Q. Same day it looks like?

6 A. Yes.

7 Q. Doctor, there's a sentence there, it's
8 under present illness that reads as follows: She
9 was admitted with progressive labor. Meconium
10 stained and fetal distress. Private medical
11 doctor recommended. Cesarean section.

12 Does meconium staining represent fetal
13 distress to you?

14 A. Not necessarily.

15 Q. Well, why does that sentence reflect
16 that on admission there was fetal distress?

17 A. We not do a history and physical by the
18 house physician for a routine admission in the OB
19 departsent of the hospital. We do it only if
20 surgical interference is contemplated. decause I
21 decided to do a Cesarean section, this means after
22 my decision, 10:50, the house physician came and
23 sne talked to Cynthia and transcribed from her and
24 dictated that. So that decision was made after we
25 decided to do a Cesarean section.

1 Q. You mean at 10:50 a history and physical.
2 was taken from her?

3 A. Yes.

4 Q. By Soto, Zabala?

5 a. That's correct.

6 Q. Is she still at the hospital?

7 A. Yes .

8 Q. Is she associated with you at all?

9 A. No, She is employed by the hospital, A
10 very competent physician.

11 Q. You **don't** take a history and physical?

12 a. Not -- by the house physician.

13 Q. Okay. How about you? Do you take one?

14 A. Yes, I do,

15 Q. Was there one in here by you done at the
16 time she came in?

17 A. There's a space which is not filled.

18 Actually we do one.

19 Q. I'm sorry?

20 a. That's what -- that part we fill all the
21 time, the physician fills.

22 Q. Intra-partum data sheet?

23 A. (Indicating).

24 Q. What happened?

25 A. When I sign it on the bottom, this means

1 nothing is wrong.

2 Q. But it says here that there was fetal
3 distress.

4 A. This history and physical says fetal --
5 history and fetal is empty. This is talking about
6 the fetus now; we're talking about the mother.

7 Q. This is in the mother's chart, Doctor?

8 A. That's a history, Mr , Kanpinski. That's
9 a history. That's a history of fetal distress,
10 Now, you cannot take physical history on the baby,
11 you take it on the mother which is our part.

12 The same thing when Dr. Zabala took the
13 history and physical from the mother, not from the
14 fetus. So we give her the history. The reason
15 for the C section is there's fetal distress and
16 meconium staining. We have to know why we're
17 doing a C section.

13 Q. Why would she take -- is this a training
19 type of thing?

20 A. Part of the protocol of the hospital so
22 somebody would be neutral to evaluate the
22 situation, We do that all the time.

23 Q. And this is what, a resident?

24 A. No. That's a full physician, licensed.

25 Q. She is still undergoing training though

1 at the time this was done?

2 A. I don't understand the question.

3 Q. Resident, internship?

4 A. She's a licensed physician to practice
5 medicine .

6 Q. Outside doctor?

7 A. She's full-time, employed by the
8 hospital.

9 MR. KITCHEN: Just for the record
10 or off the record, designation is house officer.

11 Q. Okay. And you say this was done at
12 about 10:50?

13 A. Once we give the option to go for
14 Cesarean section the nurse who cares about that
15 particular mother, she will call the house
16 physician requesting that a physical and history
17 be done immediately.

18 Q. Would you turn to the physician progress
19 notes, Doctor? Is that your writing on that page,
20 sir?

21 A. That's all my handwriting, yes.

22 Q. Why don't you read it for me. It's very
23 difficult to read.

24 A. That's just a little bit. Operative
25 report was done after the surgery was done. We

1 just --

2 MR. MAYNARD: Just read it
3 verbatim for him.

4 A. Okay. Severe fetal distress and
5 multiparity. Primary Cesarean section and
6 abdominal tubal ligation.

7 Q. Go ahead. That was March 1st, right?

8 A. So this is the day of the surgery.
9 March 2nd, baby expired at 4:30 a.m. today.
10 Autopsy requested. Mother is doing fine.

11 Q. Just keep going, Doctor.

12 A. 3-3-85. Very depressed, otherwise
13 mother is doing fine. 3-5, discharged.

14 MR. MAYNARD: Today?

15 THE WITNESS: Today.

16 Q. Okay. There's a physician's order sheet
17 also. Do you see that, Doctor? I have got one
18 March 3rd and March 5th.

19 A. March 3rd.

20 Q. Yes. Right there. Is there any writing
21 by you on that, Doctor? Is any of that yours?

22 A. That's my telephone order and I
23 countersigned it.

24 Q. Okay. And there's also orders starting
25 on March 1st, isn't there?

1 A. There is, yes.

2 Q. There's two pages of March fst, right?

3 A. Yes.

4 Q. Is any of that your writing, any of
5 those two pages?

6 A. The lower half of the first page is mine.

7 Q. All right. Why don't you read that for
8 me. That's March 1, 1985?

9 A. March 1. Clear liquid. 3,000 cc, five
10 percent dextrose and Ringer's lactate times two.
11 Dextrose, d-e-x-t-r-o-s-e, Ringer, R-i-n-g-e-r,
12 lactate, l-a-c-t-a-t-e, times two.

13 Q. Go ahead.

14 A. Five cc M.V.I. This is a multivitamin
15 injection. M.V.I., every 24 hours. Then intake
16 and output. Demulen, 75, Phenergan, 25
37 milligrams, every three to four hours p.r.n. And
18 then hemoglobin and hematocrit by a.m. Compazine,
19 15 milligrams I.M. every five to six hours p.r.n.
20 Seconal, 100 milligrams q.h.s. p.r.n. Ambulate.
21 Routine post partem treatments, and signed by me.

22 Q. The part right above there was by
23 Dittmer, correct, just above what you just read?

24 A. That's correct.

25 Q. Would you read that for me, please?

1 A. Tnat is internal monitor, type and
2 screen, CBC and differential, intravenous, 1,000
3 cc, five percent dextrose and Ringer's lactate.

4 Q. Okay. That was Dittmer, correct?

5 A. Yes.

6 Q. At the bottom it's got Soblosky?

7 A. Soblosky, correct.

8 Q. Did shifts change or do you know? Do
9 you know what happened?

10 A. I really don't.

11 Q. Okay. But it was only one nurse that
12 was taking care of --

13 A. Usually one nurse is assigned to one
14 patient.

15 Q. Okay. The bottom note has 3-1-85, 5:20
16 p.m.

17 A. P.m., right.

18 Q. So that was in the evening?

19 A. That's correct.

20 Q. So the only note is this one written in
21 here by the nurse?

22 A. That's definitely .

23 a. No. Before the operation. I'm sorry.
24 Correct?

25 A. This one?

1 Q. Yes. Why didn't you write anything in
2 there? That's physician's orders?

3 A. We do give verbal orders and they do it
4 and they write it down and we sign it. That's a
5 standard. And it's an acceptable standard in any
6 hospital.

7 Q. The next page, 3-2, Whose writing is
8 that at the bottom?

9 A. The bottom part is mine.

10 Q. That's your writing?

11 A. Order.

12 Q. Okay, Where is the order for the oxygen?

13 A. We do not give an order for oxygen.

14 Q. ~~flow~~ does a patient get it if you don't
15 order it?

16 A. ~~We~~ tell the nurse and they don't mark it
17 because this is a standard.

18 Q. You give oxygen to all the women in
19 delivery?

20 A. The one which we feel oxygen is
21 beneficial.

22 Q. Okay.

23 A. ~~We~~ do.

24 Q. But you don't write that down?

25 A. No, we don't.

1 Q. Is there a respiratory department at --

2 A. Yes, we do.

3 Q. Well, do they give oxygen?

4 A. No. If they are requested, yes, but,
5 the nurses, they are trained to install oxygen
6 from the wall to the patient.

7 Q. Why is there a respiratory therapy
8 department? What do they do?

9 A. In case we need some help, extra help.
10 The function of the respiratory therapy is not to
11 give oxygen to the patient, actually it's for some
12 other problem with the pulmonary function of other
13 patients.

14 Q. If you use them you have to order
15 something for them, right?

16 A. Yes, if you use them you have to order.

17 Q. And how do you give the oxygen now, how
18 do the nurses give it?

19 A. There's a socket in the wall attached to
20 a central bottle, goes to every room in the
21 hospital. And you connect it to a special bottle
22 to humidify it, and then you give it through the
23 nose or by mask, it depends on the comfort of that
24 patient.

25 Q. How was it given to Cynthia Watson?

1 A. It depends.

2 Q. You saw it given, initially you saw it
3 when you came back?

4 A. We give oxygen. They ask the patient
5 whether you like the nasal cavity or the mask,

6 Q. I'm asking how it was given to her.

7 A. I don't know.

8 Q. I thought you said you saw it, Doctor.

9 A. I cannot remember.

10 Q. Is there anything in the nurses' notes,
11 Doctor, that reflects oxygen being given?

12 A. I did not see it.

13 Q. In other words, it's not there?

14 A. I did not say that. I did not see the
15 note.

16 Q. Why don't you take a look at it.

17 A. I look at the chart. It's not written
18 in the chart,

19 Q. It's not in the nurses' notes, either?

20 A. No.

21 Q. Correct?

22 A. Yes.

23 Q. Did you personally, Doctor, have any
24 discussions with the physician at Rainbow Babies
25 regarding the condition of the child?

1 A. No, I did not.

2 Q. Are you aware of anybody who had any
3 discussions with the physician?

4 A. No. We have some understandable policy
5 in the hospital between us and Rainbow Babies and
6 Childrens to give us a follow-up to call the floor.

7 Q. Did they in this case?

8 A. If they go for the worse or the better
9 or what have you.

10 Q. Did they in this case?

11 A. Yes. We did receive a note, a telephone
12 call.

13 Q. Who got the call?

14 A. Telephone information.

15 Q. One of the nurses? Who?

16 A. I don't know.

17 Q. Well, did you have any discussion with
18 the nurse?

19 A. No, I did not.

20 Q. Didn't you tell Cynthia Watson that you
21 had had some communication from Rainbow Babies
22 through a nurse? Didn't you?

23 A. Did I tell her what? Can you rephrase
24 the question?

25 Q. Sure, That you had had some

1 communication through a nurse with Rainbow Babies
2 regarding her child?

3 A. I cannot recall. I told her I have
4 information myself. I read the information which
5 we received in our hospital.

6 Q. Okay. Who received it?

7 A. Definitely one of our nurses because at
8 the time we were available to take the phone and
9 answer they are going to give them the progress
10 note on the baby. That's standard.

11 Q. My question is who?

12 A. I don't know the person.

13 Q. Whose writing is that, Doctor?

14 A. One of the nurses on the floor.

15 Q. It's not signed? You don't know?

16 A. Doesn't say. It's a follow-up note.

17 It's not something that becomes document, part of
18 the chart except in this case because I myself
19 pick it up to read it and I kept it.

20 Q. Why?

21 a. I just was curious to see what is going
22 on. It's my patient,

23 Q. Why isn't it in the hospital chart?

24 A. Why is not?

25 Q. Sure.

1 A. It's not part of the record. Just a
2 note.

3 Q. Where did you get it?

4 A. They let it hang up so every nurse that
5 becomes involved in the care of the patient would
6 know **what** is going on. That's all.

7 Q. Where do they hang it up?

8 a. Used to have a large desk and it has a
9 countertop on it and you put it, everybody come
10 and see it.

11 Q. Who underlined the sentence, presumably
12 even before onset?

13 A. I find it as such.

14 Q. So you don't know who did that?

15 A. No, I don't.

16 Q. And is this typical hospital stationery?

17 A. 'This is just a paper provided by some of
18 the salespeople who come there. Scratch paper,
19 what you call it,

20 Q. 'That's scratch paper from ProSobee?

21 A. Yes. Some of the people who supply for
22 the nursery.

23 8. Okay. So you didn't get this
24 information firsthand then, right?

25 A. No, I dia not.

1 Q. And is more than one handwriting on
2 there, Doctor?

3 a. I don't know. I am not a handwriting
4 expert. I cannot answer that.

5 MR. KAMPINSKI: Okay. Why don't we
6 mark the chart if we could. You want to see that?

7 MR. MAYNARD: Sure.

8 MR. KAMPINSKI: Why don't we mark
9 the chart first.

10 (Plaintiff's Deposition Exhibits
11 Nos. 1 and 2 were marked for
12 identification)

13 Q. Doctor, you have in front of you Exhibit
14 1 and Exhibit 2, Why don't you just merely
15 identify what they are for me, please. We don't
16 have to go through it, just tell me what that is.

17 a. Exhibit 1 is the chart of Cynthia Watson
18 or the file, medical record in my office,

19 Q. All right. That's your office chart for
20 Cynthia Watson?

21 a. That's correct,

22 Q. And 2 is what, Doctor?

23 A. Exhibit 2 is a note that was taken from
24 the hospital of Bedford in relation to the
25 condition of Baby Girl Watson.

1 Q. Okay. And that's the note we were
2 talking about that you didn't know who wrote it or
3 whether it was more than one writing or what it
4 was?

5 A. That's absolutely correct.

6 MR. KAMPINSKI: All right. Why
7 don't we take about **two** minutes and let me go
8 through his file.

9 MR. MAYNARD: Sure.

10 (Discussion had off the record)

11 Q. (BY MR. KAMPINSKI) Doctor, in your
12 record you have got a number of white sheets which
13 I take it are your notes of the office visits of
14 the patient?

15 A. Yes.

16 Q. Is that **correct**? All right. There's
17 one July 12, 1984 at the bottom of one of your
18 sheets, correct, Doctor?

19 A. July 12, '84, correct.

20 Q. And would you read that note for me,
21 please?

22 A. Six weeks. She was given a due date,
23 3-10-85. Decided treated positive, pregnancy test.
24 She was assigned to Bedford Hospital to deliver
25 the baby.

1 Q. What do you mean assigned?

2 A I go to many hospitals to deliver the
3 baby so each lady we know where she was going so
4 next time she come to fill the chart they know
5 where she was going.

6 Q. How do you determine which hospital a
7 person should go to?

8 A. We ask them.

9 Q. So it was her decision?

10 A. Yes.

11 Q. Okay. Go ahead. If you would continue
12 reading. That's it?

13 A. That's it.

14 Q. What is the next time that you saw her?

15 A. This would be in the **flow** sheet of the
16 prenatal care.

17 Q. I'm sorry?

18 A. The second visit was August 9, 1980.

19 Q. I see. All right. **Your** next office
20 records show what, March 9, '85?

21 A, Yes.

22 Q. **Okay.** **Why** wouldn't you have put down
23 other office records?

24 A. No. That's the prenatal care, 'That's
25 what we take care of later at that time,

1 MR. MAYNARD: Let the record show
2 when he said that he was patting what you call the
3 hobo forms?

4 THE WITNESS: That's correct.

5 MR. MAYNARD: The yellow sheets
6 saying prenatal form and development problems?

7 THE WITNESS: Yes.

8 Q. So that's where you keep your record of
9 the visits?

10 A. Yes.

11 Q. Doctor, the monitor tapes, when you see
12 D cells, what do you see? What do you physically
13 see on the chart? Do you see spikes?

14 a. No. We see lowering of the base line
15 fetal. heart rate.

16 Q. Lowering. Like on 02910? Would that be
17 a lowering of the fetal monitoring base line?

18 A. That's not a typical one.

19 Q. dot a typical one?

20 A. Right.

21 Q. Could that be a D cell, Doctor?

22 A. Called a D cell but it's caused by fetal
23 movement.

24 Q. How can you tell that?

25 A. Spikes. Spikes, what you call it.

1 a Q. In other **words**, they would be sharp if
2 they were D cells?

3 A. The fetal deceleration which is called
4 late deceleration is an inverted bill shape. It
5 has to take the shape of the fetal uterine
6 contraction. The contraction takes the shape of a
7 saucer. It has to be like a saucer. When there's
8 contraction, there's D cell, you don't take it
9 sharp like this. Sometimes it's artifact from the
10 machine, too. The machine isn't infallible, **too**,

11 Q. So these aren't D cells then?

12 A. No.

13 Q. Like on 2916?

14 A. That's an early deceleration.

15 Q. Early deceleration?

16 A. Yes, it is deceleration. That's an
17 early deceleration which doesn't have any
18 significance to the condition of the baby.

19 Q. Okay. On 292% is that an artifact also?

20 A. That's a deceleration, yes.

21 Q. Is that an early one?

22 A. That's called variable deceleration.

23 Q. And what significance does that have?

24 A. Sometimes the baby does get ahold of his
25 own cord like a spasm and then release it. So

1 this will show up this condition. If the
2 condition is not persistent after that, doesn't
3 have any significance.

4 Q. Okay. Of course you weren't there then
5 to check and to see?

6 A. We don't go with one episode,
7 Mr. Kampinski.

8 Q. But you weren't there -- that's all it
9 said. You weren't there at that time, right?

10 A. I don't know the timing. What timing
11 are you telling me now?

12 Q. I'm not telling you anything. After
13 9:40 and before 10:00.

14 A. Well, I wasn't there, obviously.

15 Q. Now about 9:50?

16 MR. MAYNARD: He was not there,

17 A. I wasn't there.

18 8. But the one on 2149 I guess, what is
19 that, artifact?

20 A. That's an artifact, yes,

21 Q. Did you say earlier, Doctor, that there
22 was meconium staining throughout the morning of
23 March 1st, 1985 from 8:30 until you returned at
24 about 10:50? Was that what you said?

25 A. We start by having meconium and meconium

1 was seen actually with the contractions.

2 Q. So, in other words, there was meconium
3 staining throughout this period of time, the
4 entire morning?

5 A. The same type of meconium which we saw
6 the first time in the morning, yes,

7 Q. Okay, You saw it later again when you
8 came back at 10:50?

9 A. It is a staining on the part of the
10 patient.

11 Q. Okay.

12 A. It wasn't something pulling, it was
13 there; we know it was there.

14 Q. Do they have pH tests in the other
15 hospitals that you have privileges at, Doctor?

16 A. No.

17 Q. None of them?

18 A. No.

19 Q. You didn't know how to do it?

20 A. I know how to do it.

21 Q. When was the last time you did it?

22 A. When I was a chief resident at St.

23 Luke's,

24 Q. And that was what, '77?

25 A. That's correct.

1 Q. What is the percentage of error on a pH
2 scalp sample test?

3 A. 20 percent.

4 Q. What is the reason for the -- and did
5 you say it was a rule of the hospital for having a
6 pediatrician there? What is the reason for that?

7 A. What?

8 MR. MAYNARD: Objection to the
9 form of the question, Go ahead.

10 a. What is the reason?

11 MR. MAYNARD: I don't think he can
12 say there was a rule.

13 Q. Is there any type of protocol or rule or
14 regulation of the hospital requiring a
15 pediatrician to be present when you have a C
16 section?

17 A. Yes. This is part of the protocol of
18 the obstetrics department of the hospital.

19 Q. Okay. And the reason for that is what,
20 Doctor?

21 A. Like I said, if you suspect presence of
22 fetal distress,

23 Q. But I mean what is it that he is going
24 to do when he is there? Is it the first couple
25 breaths that the baby takes, is that the most

1 critical time for the child?

2 A. The first ten minutes of life, the most
3 important .

4 Q. But the first few breaths, especially if
5 there is meconium staining, can be very dangerous
6 for the child, right?

7 A. That's correct.

8 Q. And is that why the pediatrician should
9 be there? Does he do something in those first
10 couple seconds that he can --

11 a. He is a licensed physician and he knows
12 what he is doing, yes.

13 Q. What does he do?

14 A. The pediatrician usually after we give
15 him the baby he looks with a laryngoscope inside
16 the trachea of the newborn to see if there is
17 still any meconium which delayed or sucking did
18 not get into.

19 Q. The suction, does that happen before you
20 hand him to the pediatrician?

21 MR. MAYNARD: Look at your
22 operative note.

23 a. Yes. That is a repeat of what we said
24 before. The initial treatment of meconium
25 staining, a medical fluid baby, is to deliver the

1 head first when there's a vaginal delivery or a
2 Cesarean section delivery before the chest could
3 be expanded so you can clean the nose, the
4 laryngopharynx and the nasopharynx.

5 Q. Who does that if there is no
6 pediatrician?

7 A. That's the obstetrician's job.

8 Q. That's what you do?

9 A. That's correct.

10 Q. Is that what you did here?

11 A. Yes.

12 Q. Did you get all the meconium staining
13 out of the larynx and pharynx?

14 A. We got some, yes.

15 Q. You didn't get it all, did you?

16 A. We get as much as we can.

17 Q. And who gets the rest?

18 A. If anything's left it has to be done by
19 the laryngoscope.

20 Q. I see. And who did that here?

21 A. That's Dr. Barsoum, after E did it.

22 Q. Who did it?

23 A. The anesthesiologist which he is the
24 best trained man ever to do it, absolutely.

25 Q. What kind of training did he or she have?

1 A. Chief of the anesthesia. Ne is the
2 chief of the anesthesia, He is trained in the
3 Cleveland Clinic. We work with him.

4 Q. I see.

5 A. Very competent man. Board-certified,
6 everything.

7 Q. Is that why you didn't call a
8 pediatrician?

9 A. Doesn't make any difference because even
10 if the pedestrian --

11 Q. You don't understand the question?

12 A. I **don't know** why we have the same
13 question again and again.

14 MR. NAYNARD: **Just answer it.**

15 THE WITNESS: We answered it
16 before, didn't we?

17 Q. I want to know the **reason** you didn't
18 call the pediatrician.

19 A. We told the nurse to call the
20 pediatrician. That's as far as you have to go, I
21 have to move my wife, I have to take care of my
22 patient and do the Cesarean section and keep going.

23 Q. Did the anesthesiologist use the
24 laryngoscope?

25 a. Absolutely did, yes.

1 **a.** What else did he do?

2 A. de suctioned the baby, he intubated the
3 baby, he bagged the baby and give him oxygen and
4 everything possible that could be done.

5 Q. By him?

6 A. Yes .

7 Q. Is he an employee of the hospital? Do
8 you know?

9 A. Yes,

10 MR. KITCHEN: Objection. **Unless**
11 you do .

12 THE WITNE55: I do know,

13 MR. KAMPINSKI: That's all I have.

14 You have a right to read your testimony. You have
15 a right to waive your signature. Your attorney
16 can advise you accordingly.

17 MR. KITCHEN: Doctor, it's my
18 standing advice not to waive signature. Again, I
19 would **ask**, Mr. Kampinski, if ne would enlarge the
20 seven days to 28 days so he can read it and get it
21 back at a reasonable time instead of having to be
22 under the seven day crunch, and we'll address it
23 as soon as the transcript is delivered. Is this
24 agreeable?

25 MR. KAMPINSKI: That's fine. Let

1 me make a copy of this and you can take the
2 original chart.

3 MR. MAYNARD: Sure.

4 - - -

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 I have read the foregoing transcript from
2 page 1 to page 98 and note the following
3 corrections:

4
5 PAGE: LINE: CORRECTION: REASON:

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

AZZAM N. AHMED, M.D.

Subscribed and sworn to before me this
day of , 1987.

Notary Public

My Commission Expires:

1 THE STATE OF OHIO,)
2) SS:
COUNTY OF CUYAHOGA.)

CERTIFICATE

3 I, kneta I. Fine, a Notary Public within and
4 For the State of Ohio, duly commissioned and
5 qualified, do hereby certify that AZZAM N. AHMED,
6 M.D., was by me, before the giving of his
7 deposition, first duly sworn to testify the truth,
8 the whole truth, and nothing but the truth; that
3 the deposition as above set forth was reduced to
10 writing by me by means of Stenotypy and was
11 subsequently transcribed into typewriting by means
12 of computer-aided transcription under my
13 direction; that said deposition was taken at the
14 time and place aforesaid pursuant to notice; and
15 that I am not a relative or attorney of either
16 party or otherwise interested in the event of this
17 action.

18 IN WITNESS WHEREOF, I hereunto set my hand
19 and seal of office at Cleveland, Ohio, this 1st
20 day of May, 1987.

21 Aneta I. Fine
Aneta I. Pine, RPR, Notary Public
22 Within and for the State of Ohio
540 Terminal Tower
23 Cleveland, Ohio 44113

24 My Commission Expires: February 27, 1991.
25