

THE STATE OF OHIO, )  
 ) SS: KEVIN CALLAHAN, J.  
COUNTY OF CUYAHOGA. )

IN THE COURT OF COMMON PLEAS

SUZANNE BOYD, et al., )  
 )  
Plaintiffs, )

v. ) Case No. 233783  
 )

BERT M. BROWN, M.D., etc., )  
et al., )  
 )  
Defendants. )

- - -

Deposition of VICTORIA R. ALONSO, M.D., taken  
by the Plaintiffs as if upon cross-examination  
before James M. Mizanin, a Registered Professional  
Reporter and Notary Public within and for the State  
of Ohio, at the offices of Jacobson, Maynard,  
Tuschman & Kalur, 3001 Lakeside Avenue, Suite 1600,  
Cleveland, Ohio, on Tuesday, the 31st day of  
August, 1993, commencing at 11:00 a.m., pursuant to  
notice and agreement of counsel.

- - -



MIZANIN REPORTING SERVICE, INC.  
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS

444 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331 • FAX: (216) 241-8044

1 APPEARANCES:

2 Sindell, Lowe & Guidubaldi,  
3 By: Charles M. Young, Esq.,  
4 and  
5 Edward J. Galaska, Esq.,

6 On behalf of the Plaintiffs.

7 Jacobson, Maynard, Tuschman & Kalur,  
8 By: John V. Jackson, 11, Esq.,

9 On behalf of Defendants Victoria R. Alonso, M.D.  
10 and Garfield Pathology Associates, Inc.

11 Jacobson, Maynard, Tuschman & Kalur,  
12 BY: Patrick J. Murphy, Esq.,

13 On behalf of Defendants Bert M. Brown  
14 and Cleveland ENT.  
15 - - -

16 STIPULATIONS

17 It is stipulated by and between counsel for  
18 the respective parties that this deposition may be  
19 taken in stenotypy by James M. Mizanin; that his  
20 stenotype notes may be subsequently transcribed in  
21 the absence of the witness; and that all  
22 requirements of the Ohio Rules of Civil Procedure  
23 with regard to notice of time and place of taking  
24 this deposition are waived.  
25 - - -

## I N D E X

|    |                                   |                    |
|----|-----------------------------------|--------------------|
| 1  |                                   |                    |
| 2  |                                   | <u>Page</u>        |
| 3  | Cross-Examination by Mr. Caravona | 4                  |
| 4  |                                   |                    |
| 5  |                                   |                    |
| 6  | <u>OBJECTIONS:</u>                |                    |
| 7  |                                   | <u>Page</u>        |
| 8  | By Mr. Jackson                    | 28, 46, 51, 61,    |
| 9  |                                   | 69, 71, 72, 74,    |
| 10 |                                   | 76, 78, 79, 81,    |
| 11 |                                   | 82, 83, 84, 85,    |
| 12 |                                   | 86, 98, 99, 106,   |
| 13 |                                   | 107, 108           |
| 14 | By Mr. Murphy                     | 68, 71, 73, 79,    |
| 15 |                                   | 80, 81, 83, 86,    |
| 16 |                                   | 87, 101, 102, 106, |
| 17 |                                   | 107                |
| 18 | - - -                             |                    |
| 19 |                                   |                    |
| 20 |                                   |                    |
| 21 |                                   |                    |
| 22 |                                   |                    |
| 23 |                                   |                    |
| 24 |                                   |                    |
| 25 |                                   |                    |

1 VICTORIA R. ALONSO, M.D.,  
2 called by the Plaintiffs for the purpose of  
3 cross-examination, as provided by the Ohio Rules of  
4 Civil Procedure, being by me first duly sworn, as  
5 hereinafter certified, deposes and says as follows:

6 CROSS-EXAMINATION

7 BY MR. YOUNG:

8 Q. Dr. Alonso, would you state your name for the  
9 record, please.

10 A. Victoria R. Alonso.

11 Q. And your business address?

12 A. 12300 McCracken Road, Garfield Heights, Ohio, 44125.

13 Q. And you are a physician?

14 A. Uh-huh, yes.

15 Q. You received your undergraduate education where?

16 A. In the Philippines.

17 Q. And what institution?

18 A. I had one year at Letran College, and two years at  
19 the University of St. Tomas.

20 Q. Could you spell the college for us, please?

21 A. L-e-t-r-a-n.

22 Q. You had one year at Letran, and from there you went  
23 where?

24 A. University of St. Tomas.

25 Q. And you received your undergraduate degree in what

1 year?

2 A. 1964, '64.

3 Q. Okay. After you received your undergraduate degree,  
4 did you go directly on to medical school?

5 A. Yes.

6 Q. And where did you attend medical school?

7 A. Far Eastern University.

8 Q. And the Far Eastern University was located where?

9 A. In Manila, Philippines.

10 Q. And did you complete your medical degree in  
11 chronological order, you went directly through  
12 medical school?

13 A. Yes.

14 Q. You received your medical degree when?

15 A. 1969.

16 Q. And thereafter, did you serve any period of  
17 residency or internship?

18 A. Yes.

19 Q. And where did you serve that?

20 A. Before I came to the United States, I had, and I  
21 stayed at **the** same institution, Far Eastern  
22 University.

23 Q. At the Far Eastern Hospital?

24 A. Yes.

25 Q. You graduated from medical **school** in 1969, correct?

1 a. Yes.

2 Q. And you received a license to practice medicine  
3 then?

4 A. Yes.

5 Q. What type of work did you do professionally  
6 following your graduation?

7 A. I had residency.

8 Q. A?l right. And you served that residency at the Far  
9 Eastern University?

10 A. Hospital, yes.

11 Q. And what was the period or the term of the  
12 residency?

13 A. I don't know what you mean.

14 Q. In terms of years, how long was the residency  
15 program?

16 A. I had three years.

57 Q. A three-year residency?

18 A. Yes.

19 Q. And what **was** the nature of the residency program?

20 A. Internal medicine.

21 Q. And did you **complete** that residency program **in**  
22 internal medicine?

23 A. No.

24 Q. All right. For what **period** of time did you  
25 participate in the internal medicine residency

1 program?

2 A. What period of time?

3 Q. Yes.

4 A. From the time I graduated.

5 Q. You started in 1969 --

6 A. Towards the end of -- maybe towards the end of  
7 '69.

8 Q. And it was a three-year residency program?

9 A. They don't have a definite program back home. I was  
10 just waiting to come here.

11 Q. Okay. Well, you graduated from medical school?

12 A. Yes.

13 Q. Can you describe for me what you did professionally  
14 after you graduated while you were waiting to come  
15 here?

16 A. I had my residency.

17 Q. Okay. So you had a residency?

18 A. Yes.

19 Q. At the Far Eastern University?

20 A. Right.

21 Q. Hospital?

22 A. Yes.

23 Q. It was a three-year program which you were involved  
24 in, is that correct?

25 A. No. I just stayed in the residency for **two** years

1 and then I came here after another year.

2 Q. Okay. So you --

3 A. The program -- they don't -- The program, I think,  
4 is four years.

5 Q. It's a four-year program?

6 A. Yes.

7 Q. But after you had been involved in the program for  
8 two **years**, you left the program?

9 A. Uh-huh, yes.

10 Q. Now, when you completed medical school, was it your  
11 intention to come to the United States?

12 A. Not solidly, not -- yes, I am saying like I didn't  
13 have a definite schedule. I was sort of engaged.

14 Q. Engaged to be married?

15 A. Well, sort of. We don't have like an engagement,  
16 formal engagement like you do here.

17 Q. But you had intentions to marry?

18 A. Yes.

19 Q. And was it your intention to marry another  
20 physician?

21 A. Yes.

22 Q. And what was his name or what is his name?

23 A. Alfredo, my **husband**.

24 Q. And he is still your husband today, is he not?

25 A. Yes.



1 Q. And he practices here in the United States as well?

2 A. Yes.

3 Q. Can you tell me roughly when he would have completed  
4 medical school?

5 A. We completed at the same time.

6 Q. At the same time. And it **was** your intention to come  
7 here together to practice medicine, is that correct?

8 A. Yes, to train.

9 Q. You applied for a four-year residency program at the  
10 medical school, but you completed only **two** years of  
11 that program?

12 A. Yes.

13 Q. All right. When you were involved in the residency  
14 program, did you have a supervisor there?

15 A. Yes.

16 Q. And who was that?

17 A. I don't think I can remember his name anymore. I  
18 could not remember anymore.

19 Q. All right. When you left that residency program,  
20 did you come directly to **the** United States?

21 A. No.

22 Q. Okay. Where **did** you go?

23 A. I rotated a few months in obstetrics.

24 Q. Where?

25 A. In the same hospital.

1 Q. All right. What was your reason for leaving the  
2 internal medicine program and rotating in  
3 obstetrics?

4 A. It was like you are seeing the same cases all the  
5 time.

6 Q. In internal medicine?

7 A. in internal medicine, and so I like OB -- I mean, I  
8 like the obstetrics. It's a small hospital.

9 Q. Was it a small hospital in which you were doing your  
10 residency in internal medicine?

11 A. Yes.

12 Q. All right. Now, is that residency program one where  
13 each year the institution has the option of renewing  
14 for the following year for the completion of the  
15 program?

16 A. Yes.

17 Q. In other words, you have to successfully complete  
18 one year before you can move on to the other?

19 A. Yes.

20 Q. Had you successfully completed the two years of  
21 residency at the time you chose to leave it?

22 A. Yes, I would say so. Because they would not accept  
23 you if you did not.

24 Q. That was my question. It wasn't that you were not  
25 extended for the third year?

1 A. No.

2 Q. You chose voluntarily to leave the internal  
3 medicine?

4 A. Yes.

5 Q. And was it your intention then to practice  
6 obstetrics?

7 A. I didn't know yet. I was still young and I didn't  
8 know what -- I must have been thinking about it.

9 That's why I moved into obstetrics.

10 Q. Did you enter a residency program?

11 A. Yes.

12 Q. And that was an obstetrical residency program?

13 A. Yes.

14 Q. For what period of time did you remain in that  
15 program?

16 A. I don't exactly remember. A few months.

17 Q. Was that also a four-year program?

18 A. I think so, yes.

19 Q. All right. And you remained in that for a few  
20 months?

21 A. Yes.

22 Q. Less than six months?

23 A. I cannot exactly remember.

24 Q. All right. And did you voluntarily choose to leave  
25 that program as well?

1 A. Yes.

2 Q. When you did, what did you do professionally?

3 A. Well, I applied to come here.

4 Q. And when you say you applied to come here, what was  
5 the nature of your application to come here?

6 A. Internship.

7 Q. In other words, you were applying to various  
8 institutions here in an attempt to gain an  
9 internship in the United States?

10 A. I just applied to one institution.

11 Q. Which institution was that?

12 A. Marymount Hospital.

13 Q. All right. And at the same time was your husband  
14 applying to come here as well?

15 A. Not yet, not really. He did not plan that,

16 Q. Did you come to the United States before he did?

17 A. We came at the same time. He finally proposed  
18 marriage when I decided to leave.

19 Q. When you were leaving, he decided he would get  
20 married and he moved along with you, is that right?

21 A. Yes.

22 Q. All right. At that time you had already been  
23 accepted by Marymount into a program there?

24 A. Yes.

25 Q. But he had not yet been accepted into a program, I

1 assume?

2 A. No.

3 Q. What is the nature of his specialty?

4 A. Anesthesia.

5 Q. Anesthesia. And he practices where?

6 A. At MetroHealth.

7 Q. Now, when you came here, you had been accepted into  
8 a program at Marymount Hospital, is that correct?

9 A. Yes.

10 Q. And what was the nature of that program?

11 A. Rotating internship.

12 Q. It was an internship at that time?

13 A. Yes. That's how we have to start.

14 Q. And what was the term or the period of time of the  
15 internship?

16 A. One year.

17 Q. One year. And was there a specialty involved, or  
18 was it a general rotating internship?

19 A. I don't remember the terminology, but I ended up  
20 doing more pathology than -- I decided to do more  
21 pathology than other fields.

22 Q. There in the first year you decided you would like  
23 to work in pathology?

24 A. Yes.

25 Q. Did you also work in other departments at Marymount

1           when you first entered the program?

2   A.     Yes.

3   Q.     What other departments did you work **in**?

4   A.     Medicine and OB, obstetrics.

5   Q.     And essentially you elected to become involved in  
6           pathology?

7   A.     Yes.

8   Q.     In addition to that one year of internship, have you  
9           served any **period** of residency thereafter?

10  A.     Yes.

11  Q.     All right. And did that immediately follow the  
12           one-year internship?

13  A.     Yes.

14  Q.     And where was the residency?

15  A.     I had two years at Marymount, and then we moved to  
16           Chicago and after six months I started to work  
17           again.

18  Q.     All right. Now, when you were accepted into a  
19           residency program at Marymount following the  
20           completion of your internship, what type of  
21           residency program were you involved in?

22  A.     It's general pathology.

23  Q.     Pathology?

24  A.     Yes.

25  Q.     And you worked there for two years. **What** was the

1 intended term of the residency program?

2 A. Four years.

3 Q. Four years. You completed two years of that?

4 A. Yes.

5 You moved to Chicago with your husband I assume?

6 Q. Yes.

7 Q. And for six months you did not work, is that  
8 correct?

9 A. Yes.

10 Q. After that six-month period of time, what type of  
11 work did you do?

12 A. I continued pathology.

13 Q. In Chicago?

14 A. Yes.

15 Q. And was that in a residency program?

16 A. Yes.

17 Q. With what institution?

18 A. Michael Reese Medical Center.

19 Q. And when you say Michael Reese --

20 A. R-e-e-s-e.

21 Q. Michael Reese Medical Center in Chicago.

22 And you were admitted into a pathology residency  
23 program there, correct?

24 A. Yes.

25 Q. When you applied for residency there, did you have

1 to start all over in a four-year program or did you  
2 get credit for your first two years?

3 A. I had credits for my two years.

4 Q. And for what period of time did you remain in that  
5 program?

6 A. Two years.

7 Q. You completed the residency in pathology?

8 A. Yes.

9 Q. Following your completion of that, what did you do  
10 professionally?

11 A. I joined Marymount Pathologists.

12 Q. You came back to Marymount?

13 A. Yes.

14 Q. During this period of time had your husband moved to  
15 Chicago with you?

16 A. He moved before I did.

17 Q. All right. You moved there to join him?

18 A. Yes.

19 Q. And when you came back to Marymount, did he return  
20 to the area with you?

21 A. Yes.

22 Q. And during this period of time I assume he was also  
23 serving residency programs and becoming involved in  
24 anesthesiology, is that correct?

25 A. He did not work for maybe two months. He was



1           waiting for the results of his test. I took my test  
2           before he did.

3   Q.       What type of a test did you have to take?

4   A.       I took -- Well, from the Philippines we had to take  
5           the **ECFMG**.

6   Q.       And you took that while you were still in the  
7           Philippines?

8   A.       Yes.

9   Q.       And passed it and that gave you the ability to come  
10          here and get a license?

11   A.       Not license, but train.

12   Q.       To train. After you came here, what other tests  
13          were you required to take?

14   A.       I took the so-called **Flex** examination, which is the  
15          licensing examination, and I passed it.

16   Q.       And you have been licensed to practice in Ohio since  
17          what year?

18   A.       1978, I think. I'm not --

19   Q.       '77 or '78?

20   A.       Something like that.

21   Q.       And are you also licensed to practice in other  
22          states?

23   A.       I was licensed originally in Illinois.

24   Q.       In Illinois?

25   A.       But I don't renew my license.

1 Q. Now, you came here after completion of that  
2 residency program in pathology and you joined  
3 Garfield Pathology Associates?

4 A. Yes.

5 Q. Incorporated, correct?

6 A. Yes.

7 Q. Can you tell me what Garfield Pathology Associates,  
8 Incorporated is? A group of professional --

9 A. Yes.

10 Q. -- physicians?

11 A. Yes, a group of three pathologists. I'm not in the  
12 corporation. I'm employed by the corporation.

13 Q. There were three pathologists at the time **you** joined  
14 it?

15 A. There were two. I was the third.

16 Q. Two at that time and there are three **now**. And there  
17 are three who are principals or who own stock, and  
18 you are an employee of **the** company, correct?

19 A. I don't **know** who **owns** the company aside from my  
20 boss, but as far as **I** know, the other two  
21 pathologists are employees.

22 Q. Does Garfield Pathology hold contracts to do  
23 pathology work with **various** hospitals?

24 A. No, not that I **know** of.

25 Q. What offices does it staff?

1 A. Just the Marymount laboratory.

Q. Just Marymount?

3 A. Yes.

4 Q. It does not have any involvement with any other  
5 hospitals to your knowledge?

6 A. Not that I know of.

7 Q. And the only work that you *do* comes from Marymount  
8 or from various private physicians in the area, *is*  
9 that correct?

10 A. Yes.

11 Q. You *don't* take in other work for other hospitals?

12 A. No.

13 Q. Is there only one office for Garfield Pathology  
14 Associates?

15 A. Our only office is really the one at Marymount.

16 Q. And it is in the hospital, correct?

17 A. Yes.

18 Q. To your knowledge does the company have a contract  
19 to perform work with Marymount Hospital, to your  
20 knowledge?

21 A. What does that mean? I don't know what that means.

22 Q. Does Garfield Pathology Associates have a written  
23 agreement on what it's to do at Marymount and how  
24 it's to perform its services?

25 A. I think so. I *don't* know what the details are.

1 Q. But you've been an employee all along of the  
2 company?

3 A. Yes.

4 Q. Any work that you, do you do for the company?

5 A. Sort of. They are the ones who pay me.

6 Q. That's my question. That's the next question.

7 A. But we do not -- I do not feel that I am employed by  
8 them. I feel that I service the physicians and  
9 patients.

10 Q. Right. Of course. But the company, Garfield  
11 Pathology Associates, is the group that pays you,  
12 correct?

13 A. Yes.

14 Q. And do they pay you on a salary basis?

15 A. Yes.

16 Q. They don't pay you on the number of patients that  
17 you are involved with or anything of that nature?

18 A. No.

19 Q. And there is no incentive for reading X number of  
20 slides per year or anything of that nature?

21 A. No.

22 Q. It's a straight salaried basis?

23 A. Yes.

24 Has it been that since you first became employed by  
25 them?

1 A. Yes.

2 Q. It remains that today and that's the way it was in  
3 1989?

4 A. Yes.

5 Q. Are **you** actually on the staff of Marymount Hospital?  
6 Are **you** a **member** of the medical staff there?

7 A. Yes.

8 Q. Are **you** a member of any other medical staffs?

9 A. No.

10 Q. Do **you** serve on any committees at Marymount?

11 A. Yes.

12 Q. What committees do you serve on?

13 A. OB/GYN, Utilization Review Committee, and Patient  
14 Care Utilization, Institutional Review Board.

15 Q. Ana we are here concerning a biopsy and an analysis  
16 of that biopsy that was done in November of **1989**.  
17 Essentially at that point in time you were an  
18 employee of Garfield Pathology Associates, correct?

19 A. Yes.

20 Q. And any work that **you** did in connection with reading  
21 a slide or a specimen at Marymount at that point in  
22 time **you** were doing **as** an employee of that company,  
23 is that correct?

24 A. I would say yes. ■ don't know how to answer that.

25 Q. A17 right. Since the time that you were involved in

1 November of 1989 in the reading of these slides,  
2 have you reviewed any materials whatsoever  
3 concerning Allan Boyd?

4 MR. JACKSON: You mean in preparation  
5 for the deposition?

6 MR. YOUNG: Or at any point in time  
7 since the dictation of the report.

8 A. Only when I got back the slides that the lawyers  
9 wanted.

10 Q. (BY MR. YOUNG) Okay. Now, let's go back to  
11 November of 1989. You did your work then and you  
12 dictated the report. Since then you have had the  
13 opportunity to review some materials concerning  
14 Allan Boyd?

15 A. Yes.

16 Q. Okay. What materials have you seen concerning him?

17 A. Just the report and the slides.

18 Q. The report being your report on it?

19 A. Yes.

20 Q. And you've seen the slides as well?

21 A. Yes.

22 Q. And are we talking about the original slides that  
23 were prepared or other slides prepared since the  
24 date of the biopsy?

25 A. The original slides and the recut that we sent to

1           you.

2   Q.     And when you say that you sent to me, you are  
3           talking about the slides that I actually have in my  
4           possession versus other slides that would have been  
5           prepared?

6   A.     I don't know what you have in your possession, so I  
7           cannot tell you.

8   Q.     Right. Well, what I'm looking for is in November of  
9           1989 you prepared some slides?

10   A.     Yes.

11   Q.     Are those the only slides that you have reviewed  
12           since the date of your report?

13                   MR. JACKSON: Let me clarify  
14                   something. The doctor doesn't actually  
15                   prepare the slides. She interpreted some  
16                   slides, so I don't want to get caught  
17                   in --

18                   MR. YOUNG: We will talk about that.

19                   MR. JACKSON: -- in semantics there.

20                   MR. YOUNG: We will talk about that  
21                   shortly, of course.

22   Q.     (BY MR. YOUNG) In November of 1989 you interpreted  
23           some slides concerning Allan Boyd, correct?

24   A.     Yes.

25   Q.     And you have had the opportunity to review those

1 slides since the dictation of your report?

2 A. Yes.

3 Q. All right. Have you seen any other slides that  
4 would have been prepared since November of 1989?

5 A. Yes.

6 Q. All right. What slides have you seen?

7 A. Slides of the same material that were cut for you,  
8 per your request. We were afraid to lose slides  
9 during transport and so we had them recut for you.

10 Q. Okay. Now -- Well, we will get into that shortly.  
11 Other than that, have you reviewed any other  
12 materials or any other records?

13 A. No.

14 Q. Just your report and just slides, correct?

15 A. Yes. I have seen the report from the Clinic this  
16 morning.

17 Q. The Cleveland Clinic?

18 A. Yes.

19 Q. All right. In preparation for your deposition you  
20 had the opportunity to review The Cleveland Clinic  
21 report concerning these slides, correct?

22 A. Yes.

23 Q. Any other information that you have seen'?

24 A. NO.

25 Q. Have you ever seen Dr. Brown's original records or



1           copies of those records?

2    A.     No.

3    Q.     Have you had the opportunity to review any  
4           depositions that were taken in this case?

5    A.     No.

6    Q.     Have you reviewed Dr. Brown's deposition or been  
7           told that to which Dr. Brown testified in his  
8           deposition?

9                       MR. JACKSON: Well, anything that she  
10                      and I discussed she is not going to  
11                      comment upon, whether that included  
12                      Dr. Brown or not. She's answered you as  
13                      it relates to reviewing Dr. Brown's  
14                      deposition.

15   Q.     (BY MR. YOUNG) That's fine. **Let's ask it this**  
16           way. Are you aware of any of Dr. Brown's testimony?

17   A.     Not really.

18   Q.     All right. **Wave** you had the opportunity to review  
19           any records out of the Medina Hospital concerning  
20           Allan Boyd?

21   A.     Not -- no. All I saw is that the slides at The  
22           Clinic were from Medina, that's **all**.

23   Q.     Since the **date** of this report, have you had **the**  
24           opportunity to discuss Allan Boyd or this matter  
25           with any other physicians?

1 A. Just with Dr. Garewal, my boss.

2 Q. Doctor who?

3 A. Garewal.

4 Q. Spell his name.

5 A. G-a-r-e-w-a-l.

6 Q. When did you talk to Dr. Garewal about the matter?

7 A. When the slides were sent to The Clinic. I didn't  
8 know they were sent to The Clinic. He must have  
9 reviewed the slides because it's our practice to  
10 review the slides to make sure they are the correct  
11 slides that go to the other parties.

12 Q. All right.

13 A. And then I did not know that the slides wen, out  
14 until we heard from the lawyer, from you, and he  
15 said that he had sent the slides.

16 Q. All right. To your knowledge did Dr. Garewal review  
17 the slides?

18 A. At that time when he sent them, yes.

19 Q. And that would be at the time that they were sent to  
20 The Cleveland Clinic?

27 A. Yes.

22 Q. Or to Medina for review, correct?

23 A. Yes.

24 Q. And did you discuss with him his interpretation of  
25 the slides?

1 A. I asked him what did you think, and he said he  
2 concurs with my interpretation.

3 Q. He concurred with your interpretation?

4 A. Yes.

5 Q. To your knowledge --

6 A. He did not see anything wrong with my  
7 interpretation. I don't remember which one it was.

8 Q. Did he discuss with you when these slides were sent  
9 either to Medina or to The Cleveland Clinic, the  
10 presence of what he interpreted as squamous cell  
11 carcinoma?

12 MR. JACKSON: Excuse me. You are  
13 suggesting that he has interpreted squamous  
14 cell carcinoma in those slides?

15 MR. YOUNG: No.

16 Q. (BY MR. YOUNG) I'm asking you, did he discuss with  
17 you?

18 A. What do you mean? I don't understand the question.

19 Q. Dr. Garewal reviewed the slides at the time that  
20 they were sent to The Cleveland Clinic, correct?

21 A. Yes.

22 Q. And did he concur totally with your interpretation?

23 A. He said that he did not see any problem with my  
24 report, that my interpretation is what he would --

25 Q. All right. Did he discuss with you in any way the

1 possible presence of squamous cell carcinoma in  
2 those cells at that time?

3 A. Repeat that again for me, please.

4 Q. Yes. He concurred with your written report?

5 A. Yes.

6 Q. Is that correct?

7 A. Yes.

8 Q. Did he discuss with you the possible presence of  
9 squamous cell carcinoma or that these cells would  
10 have been suspicious for the presence of squamous  
11 cell carcinoma?

12 MR. JACKSON: Objection, but go ahead.

13 Go ahead and answer.

14 A. He said he did not see -- if I remember right, he  
15 did not see a carcinoma.

16 Q. (BY MR. YOUNG) Now, since that time --

17 A. Even when I probed him, "Are you sure?"

18 Q. Since that time have you had the opportunity to  
19 review it with any other physicians, and by it, I'm  
20 talking about this matter in any way?

21 A. No.

22 Q. So you have had that one conversation with Dr.  
23 Garewal concerning the matter. Have you had no  
24 conversations with any other physician at any time  
25 concerning the matter?

1 A. No.

2 Q. Doctor, just in general, in your practice at  
3 Marymount Hospital, when you receive a request for  
4 an examination, how do you receive the request?

5 A. Usually it comes with a -- like a half sheet  
6 three-page form, maybe three or four, I'm not sure.  
7 And it would be like -- it would be similar to this  
8 form, about this part of the form, and the  
9 physician's signature will be at the bottom.

10 Q. Okay. Now, we are referring to what's been marked  
11 for identification as Bert Brown, M.D. Deposition  
12 Exhibit 4, and what you are saying, as I understand  
13 it, is you receive a request form, it is not as  
14 large as this, and it would be down to the last  
15 fine, typed line on the form?

16 A. Yes. There may be a date. There may be a date from  
17 them but this date is not their date.

18 Q. But it's a form which is similar to the top of  
19 what's been marked for identification as Brown  
20 Exhibit 4, correct?

21 A. Yes.

22 Q. And the information that that would have on it would  
23 be the physician's name who made the request?

24 A. Yes.

25 Q. And what other information?

1 A. And whatever is typed here.

2 Q. Okay. And that being an identification of the  
3 specimen?

4 A. Yes.

5 Q. And clinical history?

6 A. Clinical history.

7 Q. And a pre-op and post-op diagnosis?

8 A. Yes.

9 Q. Any other information?

10 A. That's about it.

11 Q. Now, when you say that's a three or four-page form,  
12 do you mean that it's a carbonized form so that the  
13 same writing that appears on the top sheet appears  
14 on all sheets?

15 A. **Most** likely. Some I think are for bidding purposes.  
16 I don't know.

17 Q. All right. You receive that request and you receive  
18 the specimen, correct?

19 A. Correct.

20 Q. And the specimen is contained in what form?

21 A. It's in a clear bottle with the label outside, maybe  
22 whatever is written.

23 Q. Identifying it as a part of --

24 A. Where it came from. We make sure that the label has  
25 the same name as the request.

1 Q. And it's placed on the bottle by whom, if you know?

2 A. By the physician, or the office.

3 Q. And the request form is prepared by the physician as  
4 well?

5 A. Yes.

6 Q. Now, at the upper right-hand corner of what's been  
7 marked for identification purposes as Brown  
8 Deposition Exhibit 4, we have a pathology number?

9 A. Yes.

10 Q. That pathology number I assume is assigned by your  
11 department in some way?

12 A. Yes.

13 Q. And that is assigned at the time that you receive  
14 the tissue specimen?

15 A. Yes.

16 Q. And I see from the number on this report it's been  
17 marked 589-5227?

18 A. Yes.

19 Q. Is there any relevance to the letter S?

20 A. That's just surgical,

21 Q. All right. 89 refers to the year that it's  
22 received?

23 A. Year.

24 Q. And can you identify for me the relevance behind  
25 5227?

1 A. That means that's the five thousand and two hundred  
2 twenty-seventh specimen we received for that year.

3 Q. We start with number one and go through numerically  
4 the number of specimens?

5 A. Yes.

6 Q. Now, how many pathologists actually staff this  
7 office in which you are involved?

8 A. Three.

9 Q. Three pathologists?

10 A. Yes.

11 Q. And who are they? Dr. Garewal --

12 A. At that time Dr. Garewal and Dr. Sattosh.

13 Q. And that was the number and the identity of the  
14 people in 1989, correct?

15 A. Yes.

16 Q. Now, when the specimen and the request form are  
17 received, can you follow generally a tissue specimen  
18 for me in your office and what's done?

19 A. Okay. They give the specimen -- This number is  
20 transferred to the specimen container and we  
21 describe the specimen. First it's typing the number  
22 of the specimen and the specimen is described as a  
23 type here (indicating) and it means all two pieces  
24 that we received were put into a container and one  
25 block, hooked together in one cassette.



1 Q. Let me **follow** this so I understand. When you  
2 receive a specimen, **it is** in a bottle but **it** has not  
3 been placed in a block in any manner?

4 A. Correct.

5 Q. It's just in a bottle of preservative?

6 A. **Yes.**

7 Q. And there is a gross description which is assigned  
8 to the specimen?

9 A. Yes.

10 Q. And who assigns that gross description?

11 A. We do. I mean, the pathologist who is doing the  
12 case.

13 Q. Now, does one pathologist do a particular case?

14 A. Most of the time. Here **my** initial is, there,  
15 indicating I did *it* all by myself.

16 Q. Where is your initial?

17 A. On the bottom in the corner.

18 Q. You are indicating half way down there is a **VA/CP**  
19 and that indicates that you yourself did **it**?

20 A. *Yes,*

21 Q. *If* someone else participated with you --

22 A. The initial of the pathologist **will** be there.

23 Q. Additional initials would be there if there is more  
24 than one pathologist involved?

25 A. If the other pathologist did the gross description,

1           it will be their initials, her initials, his  
2           initials.

3    Q.    Now, when you received the specimen, I assume that  
4           you -- someone else in your office assigns the  
5           pathology number?

6    A.    Yes.

7    Q.    And it is placed on the bottle?

8    A.    Yes.

9    Q.    You remove the contents from the bottle and you  
10           perform an examination and define the gross  
11           description, correct?

12   A.    Yes.

13   Q.    And you measure it?

14   A.    Yes.

15   Q.    And identify it or describe it physically for the  
16           report, correct?

17   A.    Yes.

18   Q.    Are you dictating these reports as the examination  
19           is made?

20   A.    Yes.

21   Q.    So that you dictate rather than take written notes  
22           concerning your work?

23   A.    Yes, we dictate.

24   Q.    Do you also keep written notes concerning an  
25           examination?

1 A. Sometimes. Not for this. Sometimes if it's a big  
2 specimen, we have special notes you might draw  
3 to help us go back.

4 Q. And dictate your findings?

5 A. We dictate them but we still write to make sure that  
6 we -- that the dictation *is* clear as to how it  
7 should look.

8 Q. As I understand it, when you are dealing with a  
9 small specimen such as this, handwritten notes would  
10 not have been made, *is* that correct?

11 A. No, we don't.

12 Q. So as you are examining the specimen, you are simply  
13 dictating your findings?

14 A. Yes.

15 Q. Once you have made the gross description of the  
16 specimen, what do you do?

17 A. They are put into -- they are wrapped in paper. For  
18 this size, it would have been wrapped in very thin  
19 paper, so you don't lose the specimen, and then they  
20 are put in little capsules which have holes in them,  
21 and they are covered so they are tight. And then  
22 they are put into more fixative and at the end of  
23 the day when all this is done, these are put into an  
24 automated machine and they go through a series of  
25 solutions.

1 Q. What is the purpose behind placing them through the  
2 series of solutions?

3 A. To make the tissue last forever and ever, fix them  
4 properly.

5 Q. Preserve them?

6 A. Hydrate them.

7 Q. And there are a series of solutions that are used,  
8 it is mechanized, and the purpose is to preserve the  
9 specimen?

10 A. Yes, and for cutting purposes, for all this.

11 Q. Do I understand that when the specimen comes in  
12 then, the pathologist who is responsible for that  
13 specimen or that report examines it, dictates a  
14 gross description, and does the pathologist place it  
15 in the container which goes through the solution?

16 A. We have a rack or a basket and they are placed  
17 in there. We have an assistant --

18 Q. So you wrap it, the pathologist wraps it in paper?

19 A. Yes.

20 Q. And it's then given to the assistant?

21 A. No. We place them directly into the capsule, which  
22 is labeled with this number.

23 Q. And that is then given to the assistant?

24 A. Yes, who puts a lid -- they just put the lid and put  
25 it in the rack.

1 Q. And it, it being the specimen, is placed in the  
2 preservative overnight?

3 A. Um-huh.

4 MR. JACKSON: Say yes or no rather  
5 than um-huh or uhn-uhn so he can record it,  
6 okay?

7 A. Not just a preservative. It's a series of solutions  
8 which process the tissue for additional processing.

9 Q. (BY MR. YOUNG) As a pathologist with Garfield  
10 Pathology Associates, do you work a scheduled shift?

11 A. Yes. Not a fixed schedule. We have sort of a  
12 schedule.

13 Q. When you say sort of a schedule, what do you mean by  
14 that?

15 A. We usually work from 8 to 4:30 or 9 to 5:30. We  
16 make a schedule among ourselves.

17 Q. So you rotate responsibility among the three  
18 physicians who were there in 1989 but essentially  
19 the hours are from 8 to 5:30 roughly?

20 A. Yes.

21 Q. And the work that is performed is performed during  
22 those hours by the physicians?

23 A. Yes.

24 Q. Now, the tissue specimen is left overnight in this  
25 series of solutions. What next happens to it?

1 A. In the morning a technician will take them out of  
2 the machine and transfer the tissue into so-called  
3 -- into a mold, a metal mold, and they are put in a  
4 metal mold and put in paraffin, liquid paraffin.  
5 And the capsule which is labeled is placed over the  
6 mold and this thing is now refrigerated or chilled.  
7 Then the tissue remains in the paraffin with the  
8 labeled capsule and the mold is reuseable.

9 Q. And then what is done?

10 A. Then when it's already chilled, they cut it.

11 Q. The technician cuts the tissue specimen?

12 A. Yes.

13 Q. All right.

14 A. And then they cut it and make sections and put them  
15 on the slides.

16 Q. A technician actually prepares the slides?

17 A. Yes.

18 Q. Which are reviewed by the physician?

19 A. Yes.

20 Q. All right. And when the slide has been prepared,  
21 what is done?

22 A. They go through a series of solutions for  
23 staining, and then when it's all finished, they  
24 put the label, which is the original number, and  
25 then they are handed to us with the request.

1 Q. All right. And you examine and --

2 A. Then we examine the slide with the request and make  
3 our report.

4 Q. Is there any predictable number of examinations or  
5 interpretations which you make in a day?

6 A. No, there is no fixed. It varies from day to day.

7 Q. And is there any record which is kept of the number  
8 of inspections or examinations that are made by you  
9 in a given day?

10 A. I don't think so. I don't know what you mean  
11 by that.

12 Q. Well, if I were to ask you how many examinations or  
13 interpretations you made on November 1st, 1989,  
14 would there be a record from which we could retrieve  
15 that?

16 A. I don't think so. You can just go by the day.

17 Q. By the day of the dictation?

18 A. Sort of.

19 Q. When you say we could go by the day, how could we go  
20 by the day to interpret that or to determine that?

21 A. Well, it's not even precise because there are cases  
22 which are kept longer and are not done the next day.  
23 We rotate on a day -- like I work Tuesday and  
24 Friday, and the other pathologist does Monday and  
25 Thursday and the other one does Wednesdays, so we

r--  
LA

1 have like more or less that kind of a schedule.

2 Q. So that generally one pathologist is scheduled on  
3 any given day, correct?

4 A. Yes.

5 Q. And do you work full time with the Garfield  
6 Pathology Associates?

7 A. Yes.

8 Q. And how many hours per week would you have been  
9 working in 1989?

10 A. Like 40 hours.

11 Q. Did you hold any other positions with anyone else at  
12 that point in time?

13 A. No.

14 Q. I assume that once a pathology report has been  
15 dictated, your group bills for it in some manner,  
16 correct?

17 A. Yes.

18 Q. Is there a billing record which goes to a billing  
19 service for the preparation of that bill?

20 A. There is, but I don't know anything about it.

21 Q. All right. Do you do anything yourself to initiate  
22 the preparation of a bill?

23 A. No.

24 Q. Can you tell me who in your office actually performs  
25 that function or who did in 1989?



1 A. I think the secretaries do part of it and Dr.  
2 Garewal does part of it. I don't know exactly.

3 Q. Dr. Garewal would be more familiar with that?

4 A. I think so.

5 Q. Are you, yourself, involved in any part of the  
6 billing process?

7 A. I don't know. I don't think so.

8 Q. Okay. Do you, for instance, review any computerized  
9 statement concerning a bill before it goes out?

10 A. No.

11 Q. Lawyers would call it a pre bill. You take a look  
12 at it and approve it --

13 MR. JACKSON: Some lawyers would.

14 Apparently he does.

15 Q. (BY MR. YOUNG) -- and approve it before it goes  
16 out. You don't have any function in billing?

17 A. No.

18 Q. Let me show you the slides that have been given to  
19 me in connection with this case and ask you if these  
20 are the slides, the actual slides prepared in  
21 November of 1989 which gave rise to the report which  
22 you dictated concerning this matter?

23 A. This is the recut. This is the original set.

24 MR. JACKSON: That's a recut also.

25 A. Yes, recut but on the same year -- on the same

1 period of time

2 MR. JACKSON: Okay.

3 Q. (BY MR. YOUNG) Okay. Now, you have before you  
4 five slides ana they have been marked, if I can read  
5 over your shoulder -- We will do this for the  
6 record. They have been marked with the control  
7 number, S89-5227, correct?

8 A. Yes.

9 Q. And there are various other markings on these slides  
10 as well. If we start to the left-hand corner, we  
11 see that one is marked "recut", correct?

12 A. Yes.

13 Q. You believe this to have been a recut that was  
14 performed in November oh 1989?

15 A. Yes.

16 Q. All right. How are you able to distinguish between  
17 that recut and the recut which you have segregated  
18 here on the table?

19 A. The writing is the same, and this technician has  
20 left our institution.

21 Q. All right. Now --

22 A. I know her writing.

23 Q. This handwriting is not in your handwriting,  
24 correct?

25 A. No.

1 Q. It was prepared by another technician?

2 A. Yes.

3 Q. Or a technician. And that technician was who, if  
4 you know?

5 A. Joanne Robinson.

6 Q. Joanne Robinson was employed by Garfield Pathology  
7 Associates in November of 1989?

8 A. She was employed by Marymount Hospital.

9 Q. Are the technicians who work in your department  
10 employed by Marymount?

11 A. Yes.

12 Q. Are any of the technicians or administrative help or  
i 3 secretarial help actually employed by Garfield  
14 Pathology Associates?

75 A. No.

16 Q. Now, this woman who prepared these slides, which you  
17 have segregated, was employed but has left  
18 Marymount's employ, can you tell me when she left?

19 A. I cannot remember.

20 Q. Can you approximate it for me, whether it was  
27 recently or --

22 A. A few years ago already. They moved to England.

23 Q. They moved to England?

24 A. Yes. Her husband was transferred there.

25 Q. And her name again was?

1 A. Joanne Robinson.

2 Q. And do you know who she **was** married to?

3 A. No.

4 Q. Now, we see dates or what appears to be dates  
5 written on these **slide** captions as **well**, do we not?

6 A. Yes.

7 Q. On the right **slide**, as you have them arranged on the  
8 table before you --

9 MR. JACKSON: Let's make it easier  
10 for them. These are numbered.  
11 Someone numbered these one, two, three,  
12 Four, Five. Why don't we refer **to** them by  
13 those numbers and make it easier.

14 Q. (BY MR. YOUNG) All right. On slide number one we  
15 have what is 3-18, right?

16 A. Yes.

17 Q. What **does** 3-18 indicate to you, **if** anything?

18 A. It means when the technician is cutting the block,  
19 this three first, they are called ribbons. Every  
20 time the block goes through the blade, it's a  
21 ribbon. This is ribbon 3, 6, **9**, 12, 15. Every  
22 third ribbon.

23 Q. Okay. **And** 3-30 then on slide number two indicates  
24 what?

25 A. Additional ribbons, three, and six -- She might have

1           made a mistake.

2   Q.       Just again, that's the third block and indicates the  
3           same thing?

4   A.       Yes.

5   Q.       Now, on slide number five we have Gridley control  
6           written, is that correct?

7   A.       Yes.

8   Q.       And is that a date that's indicated on that?

9   A.       Yes.

10   Q.      What does that date, 11-27-69, indicate to you?

11   A.      That *is the* date when she did the Gridley stain.

12   Q.      Would that date also correspond with the date on  
13           which she prepared slides one and two?

14   A.      Not necessarily. I don't know. The only date *is*  
15           the *special* stains. These are called special  
16           stains.

17   Q.      Now, we have on slide number *four*, the word recut,  
18           correct?

19   A'      *Yes.*

20   Q.      And what does that *indicate* to you?

21   A.      It *means* I asked the technician to cut the block  
22           again.

23   Q.      Okay. Do you *know* when *you* asked the technician to  
24           cut the block again?

25   A.      I can't remember.

1 Q. Do you know why you asked the technician to cut the  
2 block again?

3 A. When we think we are not seeing the exact depth of  
4 the tissue or we want to see more of it.

5 Q. So there was some question concerning the slides  
6 that you had prior to that time and the depth of the  
7 tissue?

8 MR. JACKSON: I'm going to object to  
9 that characterization. I think that's  
10 not what she said, but go ahead and  
11 answer.

12 A. Repeat the question. I didn't understand

13 MR. JACKSON: He wants to know why you  
14 would have asked her to do a recut.

15 A. We ask for recuts for different reasons.

16 Q. (BY MR. YOUNG) All right. In general --

17 A. In general.

18 Q. -- why do you ask for a recut?

19 A. I just want to see more of a tissue.

20 Q. Specifically concerning this recut, do you know why  
21 you asked for it?

22 A. Maybe because of my suspicion of the viral  
23 infection, I wanted to see inclusions, viral  
24 inclusions, or some changes indicative of viral  
25 infection.

1 Q. We have a recut or a slide marked recut which bears  
2 the number, I believe, three, is that correct?

3 A. Yes.

4 Q. Do you know why that recut **was** made?

5 A. It was made to send to you.

6 Q. And can you tell me approximately when that would  
7 have been made? Do you have any way of knowing?

8 A. Maybe last year. I'm not sure. Maybe last year or  
9 whenever you -- if you have a record of whenever you  
10 received it, about that time.

11 Q. To your knowledge have any other slides been  
12 prepared from this tissue specimen?

13 A. No.

14 Q. Do you have any independent recollection of this  
15 examination or this matter other than the written  
16 record which is set forth before you?

17 A. I don't know what you **mean**. Like what?

18 Q. You **do** not remember actually examining these slides  
19 in November of 1989, **do** you?

20 A. I don't know how to answer that because we **see**  
21 slides.

22 Q. We see **slides** and we see a written report so that we  
23 know it was done, correct?

24 A. Yes.

25 Q. There **is** nothing in your mind which separates this

1 interpretation from other interpretations that were  
2 made, **is** there?

3 A. Do you mean could this be an interpretation of  
4 another **case**?

5 Q. No. I mean, *do* you specifically recall sitting down  
6 in November of 1989 and looking at these slides?

7 A. I think so. This **would** indicate so. I don't know.

8 Q. This being the written record that you have before  
9 you and the **slides** that are before you, correct?

10 A. **Yes.**

11 Q. in other words, you are able to tell that you did do  
12 **the** work?

13 A. Yes.

14 Q. You don't specifically as you sit here today  
15 remember doing the work?

16 A. This is our standard practice so I cannot be  
17 precise, exact.

18 Q. All **right**. Well, what I'm looking for **is** as you  
19 testify here today, we know that a recut was  
20 performed at your request.

21 A. **Yes.**

22 Q. You don't specifically recall asking for that recut,  
23 **do** you?

24 A. I cannot **tell** you the exact time, date, or what, but  
25 **if** it was recut, then I ordered a recut.



1 Q. I understand, but you don't remember doing that?

2 A. Well, I don't know how I can separate that from the  
3 other orders.

4 Q. That's my point. Do you remember discussing this  
5 matter with Dr. Brown in any way as you sit here  
6 today?

7 A. In a way, yes.

8 Q. In what way?

9 A. When we have cases like this, we call the  
10 physicians. When I have cases like this.

11 Q. But you don't remember specifically calling him?

12 A. Not exactly, but I would have called him.

13 Q. Okay. You see, what I'm trying to understand is if  
14 we can separate the two, the difference between your  
15 standard practice and what you specifically  
16 remember. We know that if it's your standard  
17 practice to call in connection with cases like this,  
18 you generally would have called, but you don't  
19 recall speaking to him that day?

20 A. The only thing that -- I remember calling him only  
21 because of the viral infection or the atypical  
22 changes that I have. I remember that, and he had  
23 asked me, "What do you mean?"

24 Q. Do you remember him asking that, "What do you mean?"

25 A. Something like that.

1 Q. This specific case in 1989 you remember in some way  
2 the conversation?

3 A. Not the details. I cannot **be** exact, **but** we do not  
4 call a lot, you know, every day **or** five times a day.

5 Q. All right. When you call, why do you call, in  
6 general?

7 A. Because there are **Findings** that are not typical.  
8 First, he was looking for candida, and **so** I told him  
9 there was **no** fungus.

10 Q. Okay.

11 A. And then I would have told him that there are  
12 atypical changes and I don't know exactly what they  
13 mean. Something like that. Not exactly like that  
14 but something like that.

15 Q. We know that there was a recut made at your request  
16 in November of 1989. You are able to draw some  
17 conclusions based on the fact that that recut **was**  
18 done?

19 A. **Yes.**

20 Q. What conclusions are you able to reach as you sit  
21 here today from the existence of that recut?

22 A. One *is*, is there any difference between the original  
23 and the recuts. That's one thing. And I do not see  
24 -- I don't remember seeing significant change --  
25 difference, I should say.

1 Q. Was there something missing specifically that you  
2 were looking for, if you know?

3 A. Well, from my report it says I was looking for viral  
4 inclusions to explain all these changes and I did  
5 not see them.

6 Q. As I understand your testimony then, in your  
7 examination of the original slides that were done,  
8 you were unable to identify the cause for the  
9 inflammation or the condition that you saw and you  
10 would have asked for a recut in an attempt to  
11 identify the cause, is that fair?

12 MR. JACKSON: Objection, but you may  
13 answer.

14 A. Would you say it again?

15 Q. Right. When you had the original slides, you saw an  
16 inflammation, a condition that you have described  
17 here, but you were unable to determine the cause for  
18 that condition, correct?

19 A. Um-huh.

20 Q. And when you asked -- You will have to answer  
21 verbally there. Was that yes?

22 A. Let's start all over again.

23 Q. When you had the original slides and you examined  
24 those, you were able to see a condition, an  
25 inflammation and other condition which you describe

1 in your report, but you were unable to identify the  
2 cause for that condition, correct?

3 A. I couldn't identify a specific cause.

4 Q. All right. And so you asked for a recut in an  
5 attempt to identify a specific cause, is that fair?

6 A. And that's to find out the fungus, too, because  
7 things may not show at one section or two sections,  
8 so I was looking at it in different perspectives.

9 Q. Specifically what were you looking for, if you know?  
10 The presence of a fungus?

11 A. Fungus and viral infections or something else that  
12 might show up.

13 Q. You suspected that a recut might show that?

14 A. Yes.

15 Q. You were satisfied, however, that the recut  
16 essentially was consistent with the previous slides,  
17 is that correct?

18 A. Yes.

19 Q. As I understand your testimony, as a result of that  
20 you believe you contacted Dr. Bert Brown by  
21 telephone, correct?

22 A. Yes.

23 Q. And essentially that was to tell him that you didn't  
24 find any virus?

25 A. No, I don't remember what I told him, but one, I --

1 Q. Let me back up then. We do know that you contacted  
2 Dr. Bert Brown by telephone, correct?

3 A. Yes.

4 Q. We know that because -- why? How do you know that?

5 A. Because this case is not a simple case, so I have to  
6 tell him what I see.

7 Q. But as you sit here today, how do you know that you  
8 did in fact call him?

9 A. I think you told me Dr. Brown had said --

10 Q. All right. Essentially from Dr. Brown's testimony  
11 and that would be consistent with what you see  
12 before you on your record, correct?

13 A. Yes.

14 Q. You don't have any record yourself of the telephone  
15 conversation, do you?

16 A. No.

17 Q. Have you seen Dr. Brown's records concerning that  
18 telephone conversation?

19 A. No.

20 Q. Showing you what's been marked for identification  
21 purposes as Brown Deposition Exhibit 6, that would  
22 appear to be a record of a telephone call from you  
23 to him on November 28th, 1989, correct?

24 A. Yes.

25 Q. And if we look at what's been marked for

1 identification purposes as Brown Deposition Exhibit  
2 11, we see a notation on his record of a  
3 conversation with pathology that day, correct?

4 A. Yes.

5 Q. And you believe that that conversation, if in fact  
6 it's accurate, would have been with you?

7 A. Yes.

8 Q. Are you able to tell me what you would have told Dr.  
9 Brown on November 28th, 1989 in that telephone call?

10 A. Basically what is written here, and it shows he had  
11 noted some of them.

12 Q. And he has written hyperkeratosis?

13 A. Yes.

14 Q. And mild dysplasia, correct?

15 A. Yes.

16 Q. Is that consistent, those notations, is it  
17 consistent with what you would have told him?

18 A. It's not complete, but it has some of it.

19 Q. In your opinion what other information do you  
20 believe you would have given him on November 28th,  
21 1989?

22 A. More details of the things that he had written down.

23 Q. More detail that is actually contained in the  
24 written report that you have before you?

25 A. Yes.

1 Q. So you think you would have given him **more** detail?

2 A. Yes.

3 Q. Concerning the **matter**.

4 Is there anything specifically that you would  
5 have told him which would have prompted **the**  
6 telephone conversation?

7 A. Say it again?

8 MR. JACKSON: What **do** you mean **by**  
9 that?

10 Q. (BY MR. YOUNG) Well, you have before you a written  
11 report which you were in the process of sending off  
12 to Dr. Brown.

13 A. Yes.

14 Q. Why would you have called him rather than **simply**  
15 wait for him to receive the written record?

16 A. To relate to him what I see so that he will pay  
17 attention to **the** report.

5 18 Q. All right. What is it about the **report** that you  
19 believe prompted or required you to call him  
20 directly by telephone?

21 A. There is atypia and I don't know the exact cause of  
22 this, and should **probably be** followed up **closely**  
23 or removed **completely, something** like that.

24 Q. So you believe you called him **from** a concern **arising**  
25 from what you identify as this atypia, is that

1 correct?

2 A. I believe so.

3 Q. And you believe you called him in order to initiate  
4 or to prompt some **closer follow up** concerning this  
5 patient, *is* that accurate?

6 A. I think so.

7 Q. We know that you apparently called him on November  
8 28th, 1989. Are we able to identify when you first  
9 would have **looked** at slides concerning **Allan Boyd**?

10 A. Not **by** dates, but usually we **look** at the slides  
11 the next day unless it's a **Sunday**.

12 Q. By the next day, you mean the day after --

13 A. The **day** after they come. I mean, if they come on  
14 the 24th, then **unless** the 25th is a Saturday or  
15 Sunday, I may have looked on that date, the  
16 following date.

17 Q. Do we know that this tissue specimen **was** actually  
18 received by your department or your office on  
19 November 24th, 1989?

20 A. They are dated when we received them.

21 Q. And when you say **they** are dated, they being the  
22 tissue specimen?

23 A. **Yes**.

24 Q. And where do we see that date so that we can verify  
25 that it would have been received on the **24th**?



1 A. Here, this one.

2 Q. So the date that is placed at the top of the report,  
3 in this case November 24th, 7989, would have been  
4 the date the specimen is initially received,  
5 correct?

6 A. Yes.

7 Q. You believe generally you look at the specimen on  
8 the date that it's received to provide the gross  
9 description, correct?

10 A. Yes.

11 Q. That it's prepared overnight and generally it is  
12 your practice to look at those slides on the next  
13 day?

14 A. Yes.

15 Q. So we believe that generally, unless it's a Saturday  
16 or Sunday, you would have examined that slide on  
17 November 25th?

18 a. Yes.

19 Q. Does this report indicate when the report was  
20 actually dictated?

21 A. Usually maybe the day before, or maybe the day  
22 before that, or when I called him. I don't know  
23 exactly.

24 Q. So we are unable to conclude when the report was  
25 actually dictated?

1 A. Either the 28th -- most likely the 28th.

2 Q. Let me back up then. The report bears the date  
3 November 29th, 1989. What does that date indicate?

4 A. When it was typed.

5 Q. Now, we know that the day before you actually talked  
6 with Dr. Brown, correct?

7 A. Uh-huh.

8 Q. Are we able to identify from the slides or from any  
9 other source when any part of the work actually  
10 would have been done in your office?

11 A. Say it again.

12 Q. Between the 24th when you received the tissue  
13 specimen and the 28th when you called Dr. Brown, are  
14 we able to identify when any part of the slides  
15 would have been reviewed?

16 A. When we have -- Like I said, I don't remember exact  
17 dates, but the microscopic description may have been  
18 roughly written beforehand and completed the day of  
19 the 28th when i received the Gridley stain,  
20 finalized or okayed for typing.

21 Q. Let me understand your testimony then. Initially  
22 you believed this was a case where no handwritten  
23 notes were prepared -- Go ahead.

24 A. The microscopic I write. I don't dictate them. I  
25 write them down so I can correct grammar and all

1           that stuff. They are handwritten, but this is done  
2           differently **from** the gross.

3                       MR. JACKSON: She was discussing the  
4                       gross earlier when she said drawings and  
5                       notes are sometimes made on large  
6                       specimens.

7   Q.       (BY MR. YOUNG) A71 right. With regard to the  
8           microscopic description, that is an item where you  
9           always take notes, handwritten notes?

10  A.       Usually.

11  Q.       Do you retain those notes in any way?

12  A.       No.

13  Q.       They are discarded after the report **is** dictated?

14  A.       Yes.

15  Q.       We know **from** the records that this biopsy was taken  
16           on November 22nd, 1989, and we know from your report  
17           that it was apparently received by your department  
18           on November 24th, right?

19  A.       Yes.

20  Q.       We know that you contacted Dr. Srown **on** November  
21           28th in the early morning hours to **discuss** the  
22           matter, that **being** at 9:12 a.m.?

23  A.       Yes.

24  Q.       Does the time on that telephone message form  
25           indicate anything to you as to when these slides

1 would have been interpreted?

2 A it would mean to me that I may have started reading  
3 -- I mean, it indicates that I have seen the slide  
4 before the 28th.

5 Q. All right.

6 A. I had seen the slides.

7 Q. What I'm trying to understand is we know from your  
8 general practice that you generally would have seen  
9 these slides on November 25th, 1989, correct?

10 A. Yes.

11 Q. And we know that a recut was performed?

12 A. Yes.

13 Q. Do we know when it was performed?

14 A. Usually they are performed the day we ask them. So  
15 it may have been on the 25th.

16 Q. All right. So generally if you are looking at the  
17 slides and you ask for a recut, it's done at the  
18 time that you ask for it?

19 A. Usually.

20 Q. While you are addressing the matter that's before  
21 you. In other words, she brings it to you so that  
22 you can continue your work on that case?

23 A. Yes.

24 Q. Now, are we able to draw any conclusions from the  
25 period of time between November 25th and November

1 28th when you called Dr. Brown? Does that cause you  
2 to draw any conclusion concerning the matter?

3 A. Say that again. I didn't understand it.

4 Q. If you were reviewing this generally on November  
5 25th, 1989, why would it have taken you three days  
6 to call Dr. Brown, if you know?

3 A. We wait for These special stains, the Gridley stain.

8 Q. All right. And those slides were performed or  
9 prepared when?

10 A. The 27th or 28th. **See**, we may have made a mistake.  
11 **One is the 27th, and one is the 28th.**

12 Q. And you believe that to be a mistake?

13 A. Maybe. Most likely.

14 Q. Why do you believe that?

15 A. Because I called him on the 28th, so sometimes if it  
16 is done too late, they put the next day, because  
17 it's after office hours.

18 Q. When you -- Strike that. As I understand your  
19 testimony, you contacted Dr. Brown by telephone you  
20 believe because you were concerned that there was a  
21 need for further **Follow** up to determine the cause  
22 for the condition that you had found, is that  
23 correct?

24 MR. JACKSON: Objection. I'll object  
25 to that, That's not what she said as I

7 recall her testimony. Go ahead, Doctor.

2 A. Would you say it again?

3 MR. YOUNG: Yes. Would you read that  
4 back, please?

5 (Question read by reporter.)

6 A. I don't know exactly what I called him for, but I  
7 would call **because** of the atypia and the  
8 inflammation and all these findings that I cannot  
9 put together -- not put together like what is the  
10 cause of a13 this.

11 Q. (BY MR. YOUNG) All right. Well, you don't remember  
12 at all specifically, do you, making that call? You  
13 said you don't recall exactly and my question is do  
14 you remember actually talking with Dr. Brown about  
15 this case?

16 A. A little bit.

17 Q. All right. What do you remember?

18 A. That I would have told him about the atypia and the  
19 marked inflammation, moderate inflammation that I  
20 see, and that I did not see cancer, something like  
21 that.

22 Q. All right. Were you at all concerned after  
23 reviewing these slides about your inability to  
24 identify the cause for the condition that you found?

25 A. I'm concerned about a lot of things whenever we see

1 something like this.

2 Q. Okay. What are you concerned about?

3 A. What it all means, something like that.

4 Q. And when you say what it all means, what do you mean  
5 by that?

6 A. Why is there so much inflammation and why all these  
7 changes.

8 Q. All right. And you were unable to conclude why all  
9 of those changes had occurred, correct?

10 A. Sort of, yes.

11 Q. When you say sort of, what do you mean?

12 A. Like, you cannot point at a specific process, and  
13 ruled out what we were looking for.

14 Q. What being cancer?

15 A. No, candida.

16 Q. Candida?

17 A. Yes.

18 Q. You ruled that out as a result of this examination?

19 A. Yes.

20 Q. What other Conditions were you able to rule out as a  
21 result of this examination?

22 A. I did not rule out anything other than I was just  
23 looking for the cause.

24 Q. Other than candida, you were unable to rule out any  
25 conditions that caused the condition that you found?

1           Let me ask it this way. As I understand your  
2           testimony, in November of 1989 you took a look at  
3           these slides and you found an inflammatory process  
4           in this specimen, correct?

5    A.    Yes.

6    Q.    You found mild dysplasia and is that descriptive of  
7           the inflammatory process?

8    A.    No. The inflammatory -- they are together, they  
9           are both in there, but the dysplasia is a different  
10          process.

11   Q.    What is the dysplasia? Define that for me.

12   A.    Dysplasia involves the epithelium, and it's an  
13          abnormal process of cell growth.

14   Q.    And in addition, you found hyperkeratosis?

15   A.    That is part of the dysplasia and the atypia.

16   Q.    And these are findings which are brought about by  
17          some disease process but you were unable to identify  
18          what had actually caused it by examining the  
19          specimen, is that correct?

20   A.    Yes.

21   Q.    Dr. Brown had suspected that it could be candida  
22          causing the problem and he asked you to rule out  
23          candida, correct?

24   A.    Yes.

25   Q.    And in fact you did rule out candida, but you were



1       unable to identify the disease process that was  
2       causing the condition?

3       A.     Yes, something like that.

4       Q.     Well, when you say something like that, do you  
5       qualify that in any manner? Is that incorrect?

6       A.     No. Say the question again so I can give you the  
7       answer.

8       Q.     Sure. As I understand it, Dr. Brown, when he  
9       examined this patient, suspected candida, correct?

10      A.     Yes.

11      Q.     You were able to rule out candida by your  
12      examination?

13      a.     Yes.

14      Q.     But you saw a condition and you were unable to  
15      identify the cause of the condition in this  
16      gentleman's mouth, correct?

17      A.     The cause: yes.

18      Q.     You didn't know why this abnormal process had  
19      occurred in his mouth?

20      A.     Yes.

21      Q.     When I talk about an abnormal process, there is a  
22      lesion here, we know there is an inflammatory lesion  
23      but we don't know why it's there,

24      A.     Yes.

25      Q.     And so that is the reason that you contacted Dr.

1 Brown, is ehat correct?

2 A. It's not just the cause. It's the findings. Like  
3 we have this atypia and I don't know what exactly is  
4 this atypia. Is this just all inflammatory, and  
5 inflammatory Prom what? So that's what I mean.

6 Q. All right. You don't generally contact the surgeon  
7 by telephone when you do an examination, do you?

8 A. We don't.

9 Q. Is that correct, you don't generally?

10 A. No, not all cases.

11 Q. All right. You contact the surgeon in what cases?

12 A. One, they want to be called for whatever reason.  
13 Two, if there is a cancer and they did not expect  
14 or say cancer, or we see a process like this, an  
15 atypical process like this that I couldn't pinpoint  
16 the cause.

17 Q. All right. As I understand your testimony, you did  
18 not contact Dr. Brown because you needed more  
19 information to make a diagnosis, but because you  
20 felt he needed to know that you couldn't identify  
21 the cause of this condition that you had found, is  
22 that correct?

23 A. I don't know if I asked him for more information.  
24 I may have asked him for more information, but I  
25 don't know if I did. Maybe I asked him for more

1 information like how big is the lesion, and that  
2 this case is of concern and he should take it from  
3 there.

4 Q. All right. You yourself determined the size of the  
5 lesion that you had received, correct?

6 A. No, I cannot determine the size of the lesion.

7 Q. Why not?

8 A. Because from the way it looks, the piece that he  
9 incised.

10 MR. JACKSON: You are using lesion and  
11 specimen synonymously, I believe.

12 MR. YOUNG: No, I'm not.

13 A. They are together different.

14 Q. (BY MR. YOUNG) You were unable to determine from  
15 the specimen that you received --

16 A. The size of the lesion.

17 Q. -- the size of the lesion, correct?


18 A. Yes.

19 Q. Is that because the lesion that you -- Is that  
20 because the specimen that you received did not show  
21 an adequate margin surrounding the specimen?

22 A. No, that's not the reason.

23 Q. All right. What was the reason?

24 A. Because it did not look like he excized it. It  
25 looked like he incised it because we got two



1 different pieces.

2 Q. Is there anything else that makes you believe that  
3 he incised this lesion rather than excised the  
4 lesion?

5 MR. MURPHY: Objection.

6 A. I don't understand.

7 Q. (BY MR. YOUNG) All right. Let's define some terms  
8 here. This physician, Dr. Brown, took a biopsy of  
9 this lesion, correct?

10 A. Yes.

11 Q. Took a portion of *it* and sent it off to pathology  
12 for examination, correct?

13 MR. MURPHY: Objection.

14 A. Maybe. I don't know. I don't know if he took a  
15 portion or what. It doesn't say in the specimen.

16 Q. (BY MR. YOUNG) That's my question. When you  
17 receive a specimen and the specimen does not appear  
18 to have excised the entire lesion, do you inform the  
19 physician of that?

20 A. Repeat the question.

21 Q. When you receive a specimen **and** for one reason or  
22 another it appears that the specimen does not  
23 contain the total lesion, **do** you advise the doctor  
24 of that?

25 A. **it depends.**

1 Q. On what?

2 A. If it looks like an excisional tissue, then I will  
3 tell him it was not completely removed, but if it's  
4 an incisional, then I cannot tell him you did remove  
5 or did not remove. He would know more than I would.

6 Q. But you yourself draw the distinction as to whether  
7 it was an excisional or incisional biopsy and  
8 therefore whether to advise the physician?

9 A. I do not draw the conclusion. I'm just saying it  
10 from how I see the specimen.

11 Q. Right. So that in your opinion when you look at a  
12 specimen, if it appears to you to have been an  
13 incisional biopsy, you feel there is no need to  
14 advise him that there is not a clear margin  
15 surrounding the specimen, is that accurate?

16 MR. JACKSON: Objection. Go ahead  
17 and answer, Doctor.

18 A. Would you say that again?

19 Q. (BY MR. YOUNG) Yes. When you get a tissue  
20 specimen, if you believe the doctor has done an  
21 incisional biopsy, you don't feel it's necessary for  
22 him to know that he didn't get all of the lesion,  
23 correct? He knows more than you do about that.

24 A. Yes and no.

25 Q. What do you mean yes and no?

1           Some doctors don't want to be told. They know, so  
2           like you say, he would know.

3   Q.     What about Dr. Brown?

4   A.     I don't exactly know.

5   Q.     All right.

6   A.     He is very pleasant.

7   Q.     **As** I understand your testimony, as you sit here  
8           today you conclude that you believed that this was  
9           an incisional biopsy that was performed in November  
10          of 1989 on Allan Boyd, is that correct?

11                       MR. MURPHY: Objection.

12   A.     You use that word conclusion, and I'm not  
13           concluding. I'm just saying it based on my  
14           material.

15   Q.     (BY MR. YOUNG! You looked at the specimen and you  
16           determined that **the** specimen was filled with the  
17           condition which you describe and that there **was no**  
18           wide margin surrounding the specimen which had been  
19           taken **by** the surgeon?

20   A.     I cannot say there is wide margin or not.

21   Q.     You can't, from your inspection **of** the specimen?

22   A.     No, because the specimen is small and thin. I  
23           cannot. It **was** not a single piece.

24   Q.     You received two pieces **of** tissue in this specimen,  
25           correct?

1 A. Yes.

2 Q. What **do** you conclude from the fact that you received  
3 two pieces of tissue?

4 A. I'm not concluding. I'm **just** thinking that he just  
5 did incisional biopsy.

6 Q. And when you say you are just thinking, you were  
7 thinking that in November **of** 1989?

8 A. Yes.

9 Q. And you assume that Dr. Brown knew what he had done  
10 and that was an incisional biopsy?

11 MR. MURPHY: Objection.

12 MR. JACKSON: Objection. Don't guess  
13 what someone **else** thought, unless you  
14 **know**.

15 A. I'm not guessing. I cannot guess.

16 Q. (BY MR. YOUNG) Well, if a doctor does an excisional  
17 biopsy on a **lesion**, is it important for you as  
18 the pathologist to advise him whether adequate  
19 margins have **been** taken surrounding the lesion?

20 MR. JACKSON: Objection. You may  
21 answer.

22 A. I should answer?

23 MR. JACKSON: Answer the question.

24 A. Read it back.

25 BY MR. YOUNG: Read it **back**, please.

1 (Question read by reporter, )

2 Q. (BY MR. YOUNG) Do you understand the question?

3 A. No. Would you repeat it again?

4 Q. Let me do it again. If a surgeon does an excisional  
5 biopsy on a lesion --

6 A. Excisional?

7 Q. Excisional.

8 A. Okay.

9 Q. -- is it important for you as the pathologist to  
10 advise him an whether he has taken adequate margins  
11 surrounding the lesion?

12 MR. JACKSON: Objection. You may  
13 answer.

14 A. Is it important? Not exactly, not necessarily. If  
15 it's benign, if it's an excisional biopsy, I don't  
16 know. I would say yes, but I don't know exactly.

17 Q. What is your genera? practice when you receive an  
18 excisional biopsy and there is an abnormal finding  
19 when you interpret the slide?

20 MR. JACKSON: What is her practice  
21 regarding what?

22 MR. YOUNG: Regarding whether there is  
23 sufficient margin.

24 Q. (BY MR. YOUNG) This is not that hard. Let me ask  
25 the question this way.



1 MR. JACKSON: It's harder than what --  
2 You are asking a very confusing question.

3 A. It's a very important question. That's why.

4 MR. JACKSON: It's very confusing,  
5 but go ahead.

6 Q. (BY MR. YOUNG) In Dr. Brown's deposition he  
7 testified that he had performed an excisional biopsy  
8 on the lesion that he found in Allan Boyd in  
9 November of 1989. From your examination of this  
10 specimen do you have reason to disbelieve that?

11 A. I don't know.

12 Q. So you can't tell from what you have here whether it  
13 was an excisional or an incisional biopsy, is that  
14 correct?

15 A. Yes, I think so.

16 Q. We further testified to the effect that if there  
17 were insufficient margins surrounding this specimen  
18 that he took when he excised the lesion, he would  
19 expect to receive that information from the  
20 pathology department. Is that a reasonable  
21 expectation in your opinion?

22 MR. MURPHY: Objection.

23 MR. JACKSON: You may answer.

24 A. Not with the material we received. You cannot tell  
25 because there were two pieces. They may have broken

1           apart and so you cannot **tell** the true margins.

2   Q.       (BY MR. YOUNG)   Okay.   Do you know if you discussed  
3           in any way by telephone with Dr. Brown the question  
4           of margins surrounding the specimen?

5   A.       No, I don't know.   I don't remember.   Probably it  
6           should be followed up and removed completely,  
7           something *like* that.

8   Q.       You believe that you told him that by telephone?

9   A.       I would assume -- not assume, but there **is** like a  
10           standard practice that I would have done.

11   Q.       You were able to tell from this specimen that the  
12           lesion had not been removed completely, were you  
13           not?

14   A.       I couldn't tell.

15   Q.       You could not tell?

16   A.       No.

17   Q.       You found atypical cells in this tissue specimen,  
18           did you not?

19   A.       Yes.

20   Q.       Did those atypical cells invade the margins of the  
21           specimen that was taken?

22                               MR. **JACKSON**:   Go ahead and answer.   **I**  
23                               **object**, Doctor.

24   A.       I don't believe **they** were, but I don't remember.

25   Q.       (BY MA. YOUNG)   You don't believe they were?

1 A. I don't remember. I did not see the slide before  
2 coming here so I don't remember anymore.

3 Q. Okay. If the atypical cells which you found invaded  
4 the margins of the specimen, would it have **been**  
5 necessary for you to alert Dr. Brown to that?

6 A. Whether they are in the margins or not, I alerted  
7 him about this.

8 Q. Okay. How did you alert him to it?

9 A. With the call that I found all this atypia.

10 Q. You did not address the question of the margins in  
11 your written report however, did **you**?

12 A. Because I couldn't tell the margins.

13 Q. Did you inform him that you were unable to tell the  
14 margins?

15 A. I don't remember.

16 Q. In the written report **d-id** you tell him?

17 MR. JACKSON: Didn't you just

18 establish that the margins are

19 not established in the written report?

20 A. I could not establish the margins, so **I** couldn't  
21 write it in the report.

22 Q. (BY MR. YOUNG) But you did not tell him in the  
23 written report that you were unable to identify the  
24 margins, did you?

25 A. Say that again.

1 MR. JACKSON: Objection. The report  
2 speaks for itself. You have been going on  
3 and on with this.

4 MR. YOUNG: Well, we're not getting  
5 anywhere, and we will continue to go on and  
6 on.

7 MR. JACKSON: You are not asking  
8 reasonable questions. That's why you are  
9 not getting anywhere.

10 MR. YOUNG: Well, I think they are.

11 MR. JACKSON: I don't.

12 Q. (BY MR. YOUNG) Let's go over the written report  
13 and let's take a look at what's been marked for  
14 identification purposes as Bert Brown Deposition  
15 Exhibit No. 4. We have here your microscopic  
16 description. It contains the language, "The biopsy  
17 shows a hyperplastic epithelium supported by a  
18 connective tissue core." Can you describe for me  
19 what you mean by hyperplastic epithelium?

20 A. It can mean different things. It means basically  
21 it's thicker than normal.

22 Q. The connective tissue core shows moderate chronic  
23 inflammation and fibrosis.

24 A. Yes.

25 Q. "The hyperplastic epithelium shows elongated and

1           bulbous rete ridges with isolated dyskeratoses."  
2           Can you describe for me what you mean by  
3           dyskeratoses and what that condition is?

4                       MR. JACKSON: So the record is clear,  
5                       that's not the complete sentence.

6                       MR. YOUNG: Correct. It is not.

7   A.       It means that there are atypical cells in the  
8           epithelium.

9   Q.       (BY MR. YOUNG) Dyskeratoses, are they **abnormal**  
10           cells?

11   A.       Yes.

12   Q.       Okay. Go ahead. And what does the presence of  
13           dyskeratoses indicate to you?

14   A.       That it's not normal cell. It's not a normal  
15           looking cell.

16   Q.       Parakeratosis means what?

17   A.       It means the cell is not maturing properly -- I  
18           mean, it means the cell is maturing faster.

19   Q.       Than it should?

20   A.       Yes, sort of. Something like that. It's not  
21           maturing -- not maturing properly.

22   Q.       And hyperkeratosis means what?

23   A.       There is increase of the keratin layer of the  
24           epithelium.

25   Q.       Is there anything here in this report which

1 indicates that this is perhaps a cancerous lesion?

2 A. Say that again.

3 Q. Yes. Is there anything in this report which  
4 indicates that this is perhaps a cancerous lesion?

5 A. Is there anything in this report that may indicate  
6 this is a --

7 MR. JACKSON: Go ahead and answer.

8 I'll object for the record.

9 A. Is there anything in this report -- Would you repeat  
10 the sentence again?

11 Q. Yes. Is there anything in this report that  
12 indicates that this is possibly a cancerous lesion?

13 MR. JACKSON: Objection, Go ahead.

14 A. I don't know if it's yes or no because you will find  
15 these changes in cancerous or non-cancerous. I  
16 don't know.

17 Q. So that the changes which you have described can  
18 arise from a cancerous lesion or from some other  
19 cause, correct?

20 MR. JACKSON: Objection. You may  
21 answer.

22 A. Not can arise, but may be seen. What was your  
23 question?

24 MR. JACKSON: You answered his  
25 question.

1 Q. (BY MR. YOUNG) Can be seen in a cancerous or a  
2 non-cancerous lesion, correct?

3 A. Yes.

4 Q. The findings which are contained in your report are  
5 consistent with having been caused by a cancerous  
6 lesion, are they not?

7 MR. MURPHY: Objection.

8 MR. JACKSON: Objection. You may  
9 answer.

10 MR. MURPHY: Just those findings  
11 themselves without anything else?

12 A. Say that again.

13 Q. (BY MR. YOUNG) These findings described in your  
14 report are consistent with a cancerous lesion; do  
15 not indicate that it's a cancerous lesion, but you  
16 cannot eliminate cancer as the cause from your  
17 findings in your report, can you?

18 MR. JACKSON: I will object.

19 MR. MURPHY: Objection.

20 MR. JACKSON: The doctor indicated at  
21 least twice to you already that she did not  
22 see cancer.

23 MR. YOUNG: That's not my question.

24 MR. JACKSON: You've been talking  
25 about possibly this and possibly that.

1 MR. YOUNG: That's right, possibly

2 MR. JACKSON: I suggest to you

3 anything is possible.

4 MR. YOUNG: Well, then that's for her  
5 to testify to then.

6 MR. MURPHY: I need a point of  
7 clarification and I need this put on the  
8 record. You have talked about four words,  
9 I believe, hyperplastic, inflammation,  
10 dyskeratoses, parakeratosis,  
11 hyperkeratosis, that's five words, and you  
12 have asked her to define those words, and  
13 I'm not sure exactly what you asked her  
14 now, but do those findings, are they  
15 consistent with cancer? Perhaps that was  
16 your question or whatever. My question to  
17 you though is are you just talking about  
18 those words or are you talking about the  
19 entire report before she answers the  
20 question?

21 MR. YOUNG: Is that an objection for  
22 the record?

23 MR. MURPHY: I'm objecting.

24 Q. (5Y MR. YOUNG) My question is are the findings  
25 contained in your report consistent with a cancerous



1 condition? Do you understand the question?

2 A. I think so.

3 MR. JACKSON: I'll object. You  
4 may answer.

5 A. I don't know if the word consistent is correct. If  
6 it was consistent, I would have said it as  
7 consistent with cancer.

8 Q. By this I mean, does your report enable the surgeon  
9 who has taken this biopsy to eliminate cancer as the  
10 cause of this lesion?

11 MR. MURPHY: Objection.

12 MR. JACKSON: You may answer.

13 A. Can the surgeon --

14 Q. (BY MR. YOUNG) Does your report enable the surgeon  
15 who has taken this biopsy to eliminate cancer as the  
16 cause of the lesion?

17 A. I don't think so. I don't think so.

18 Q. All right. It is possible to have these findings  
19 having been caused by a cancerous condition, but you  
20 have been unable to identify on the slides the  
21 existence of cancer, is that correct?

22 MR. JACKSON: Objection. You may  
23 answer.

24 A. I don't think you are right.

25 Q. Well, how am I wrong?

7 A. You are saying that having been caused.

2 Q. Yes.

3 A. I didn't say this was caused by cancer.

4 Q. I didn't ask you if it was caused by cancer.

5 MR. JACKSON: That's what you are  
6 trying to get to and she has tried to  
7 explain that to you any number of times.  
8 That's the problem we're having with your  
9 what I called unreasonable question.

10 Q. (BY MR. YOUNG) As I understand your testimony,  
11 these changes can occur and can co-exist with a  
12 cancerous lesion of the tongue, is that correct?

13 MR. JACKSON: Objection. You may  
14 answer.

15 A. Some of these changes may be seen, but -- it's not a  
16 correct statement. That's why I cannot say yes or  
17 no.

18 Q. (BY MR. YOUNG) Is there anything here in your  
19 report which indicates that Allan Boyd was suffering  
20 from a cancerous lesion?

21 A. I don't know. Is there anything in this report --

22 Q. You were unable to see any cancer in this specimen,  
23 is that correct?

24 A. Yes.

25 Q. You didn't see any cancer?

1 A. I did not.

2 Q. The conditions that you describe here were abnormal  
3 but you were unable to identify the cause of these  
4 conditions. is that correct?

5 A. Yes.

6 Q. Could the cause of the condition described by you in  
7 your report have been squamous cell carcinoma?

8 MR. JACKSON: Objection. You may  
9 answer.

10 A. Could the cause have been?

11 MR. MURPHY: Objection.

12 A. I don't know how to answer that. I'm not evading  
13 it, because it's a broad process.

14 Q. It is a what?

15 A. It's not a straightforward change, you know. It's  
16 a mixture of things in here. There *is* not a  
17 specific. That is why I cannot tell you it's caused  
18 by cancer.

19 Q. When you observed these abnormal conditions which  
20 have been described in your report, **did you** consider  
21 what might have caused these conditions?

22 A. I'm sure I **did**.

23 Q. What did you consider?

24 A. A whole line of causes.

25 Q. What were they?

1 A. Things that would cause this, like viral infection,  
2 chronic irritation, trauma, tobacco, dentures,  
3 injuries, or something -- that's it. I don't know  
4 if I should say cancer, because now we know the  
5 patient has cancer.

6 Q. Well, did you consider cancer in November of 1989  
7 when you looked at these specimens?

8 A. I don't remember.

9 Q. Or this specimen?

10 A. I don't remember.

11 Q. In your opinion as you sit here today, could  
12 squamous cell carcinoma produce a condition as  
13 described in your report of November 1989?

14 MR. JACKSON: Objection. You may  
15 answer.

16 A. Would you say it again? I just want to make sure.

17 Q. (BY MR. YOUNG) Sure. As you sit here today, could  
18 squamous cell carcinoma cause the changes that you  
19 described in your report?

20 MR. JACKSON: Same objection. Go  
21 ahead and answer.

22 A. No. I don't think -- My answer is no.

23 MR. YOUNG: All right. We will take a  
24 break.

25 (Discussion was had off the record.)

1 Q. (BY MR. YOUNG) Doctor, as I understand your  
2 testimony, from your examination of the slides you  
3 were able to rule out candida as a cause of this  
4 lesion, is that correct?

5 A. Ruling it -- I did not rule out the cause as  
6 candida. I **did** not see candida. There is a  
7 difference there.

8 Q. All right. From your examination, were you able to  
9 rule out any causes for this condition?

10 A. Not really.

11 Q. You described *for me* various medical conditions that  
12 could have given rise to your observations or the  
13 condition here. One of those was viral, but you  
14 were unable to identify any virus, correct?

15 A. Yes.

16 Q. Trauma and tobacco. Those are things that would  
17 have to be considered clinically by the surgeon,  
18 correct?

19 A. Yes.

20 Q. Cancer would have been a possible cause for this  
21 condition, but you were unable to identify any  
22 cancer in this specimen, is that correct?

23 MR. JACKSON: Objection. You may  
24 answer.

25 A. Say that again.

1 Q. (BY MR. YOUNG) Yes. Cancer could have caused this  
2 condition but you were unable to see any cancer in  
3 the specimen?

4 EAR. JACKSON: Same objection. Go  
5 ahead. I'm sorry to interrupt.

6 A. Cancer could have caused this --

7 Q. (BY MR. YOUNG) The condition described in your  
8 report,

9 A. I don't know. I don't know the answer to that.

10 Q. I thought I understood your testimony when you  
11 listed possible causes for this condition to include  
12 cancer as one of them. Was I wrong? Could cancer  
13 cause this?

14 A. It may be related to cancer but that it's caused by  
15 cancer, I don't know that statement.

16 Q. Well, how do you differentiate between may be  
17 related and may be caused by?

18 A. Because of the atypical changes that I see that may  
19 be present in cancer or non-cancer.

20 Q. All right. You were unable to rule out cancer as  
21 being related or contributing to the cause of this  
22 condition, is that fair?

23 MR. JACKSON: Objection.

24 MR. MURPHY: Objection.

25 MR. JACKSON: Go ahead, Doctor. You

1                   may answer.

2    A.       I'm unable to --

3    Q.       (BY MR. YOUNG) I'm trying to understand how you  
4            are distinguishing here.

5    A.       All right.

6    Q.       Can cancer cause the condition which you have  
7            described in your report in your descriptive or  
8            microscopic description of this lesion?

9                   MR. MURPHY: Objection.

10   Q.       Can cancer cause --

11   A.       Yes, I think. Is that correct?

12                   MR. JACKSON: You answered. Answer as  
13                   best you can, Doctor.

14   Q.       (BY MR. YOUNG) As a result of your examination  
15            could the surgeon rule out cancer as the cause of  
16            this lesion?

17                   MR. MURPHY: Objection.

18   A.       Could the surgeon rule out? No. I don't know. I  
19            don't think so.

20   Q.       (BY MR. YOUNG) Dr. Brown testified that this was a  
21            benign report. Would you agree with that?

22   A.       I'm not saying it's a benign report. I'm saying  
23            it's not cancer. **There** is a difference there.

24   Q.       How do you distinguish between the two?

25   A.       One, I don't see cancer, and the other is I see

1 changes that I cannot explain altogether as to what  
2 it is, but I'm concerned about it.

3 Q. Is this a difficult interpretation to make?

4 MR. JACKSON: Is what a difficult  
5 interpretation?

6 MR. YOUNG: Referring to the  
7 interpretation contained in her report.

8 MR. JACKSON: That's a non sequitur.

9 Q. (BY MR. YOUNG) Was it a difficult interpretation  
10 to make?

11 MR. JACKSON: Based on the  
12 specimens she had, is that what you are  
13 asking?

14 MR. YOUNG: Yes.

15 A. I think so.

16 Q. (BY MR. YOUNG) You think so, is that your answer?

if A. Yes. I read it from The Clinic, too, that they  
18 found it difficult. It was difficult.

19 Q. What was it about these slides that made it a  
20 difficult interpretation?

21 A. That there *is* hyperplasia, which I have included,  
22 and there are atypical cell changes, and it's  
23 difficult to put them all into one as to what is the  
24 basic underlying disease.

25 Q. All right.



1 A. Because there is inflammation, there is moderate  
2 inflammation.

3 Q. Have you yourself had the opportunity to look at  
4 these slides since you provided this report?

5 A. Not really, no.

6 Q. Do you have any reason to --

7 A. I saw them, correction. I saw them when they came  
8 back to us and you or he were asking for them back.

9 Q. All right. You looked at them then?

10 A. Yes.

11 MR. JACKSON: She already told you  
12 that earlier, I believe.

13 Q. (BY MR. YOUNG) Did you see The Cleveland Clinic  
14 report at any time prior to today?

15 A. No.

16 Q. When you had the opportunity to take a look at these  
17 slides again, did you find anything which was  
18 inconsistent with the report that you had made?

19 A. No. I tried to, but --

20 Q. Today as you sit here, do you believe that the  
21 slides made of the specimen taken from Allan Boyd in  
22 November of 1989 are suspicious for squamous cell  
23 carcinoma?

24 A. They are suspicious of a lot of things, but I cannot  
25 just use squamous cell carcinoma as the single

1 condition.

2 Q. Let me show you photographs of these slides that  
3 have been made and marked for identification  
4 purposes as V.R. Alonso, M.D. Deposition Exhibits 2  
5 through 11 and ask you if you from these  
6 photographs --

7 MR. JACKSON: Are they indicated as to  
8 which slides they are?

9 MR. YOUNG: No, they are not.

10 MR. JACKSON: And you are representing  
11 that these are photographs from these  
12 slides?

13 MR. YOUNG: Correct.

14 MR. JACKSON: Do you know which ones  
15 they are from?

16 MR. YOUNG: Have I marked from the  
17 slide to the photograph? I have not.

18 Q. (BY MR. YOUNG) Are you able to identify any  
19 photographs which depict a cross section of this  
20 specimen? Look through all of them before you  
21 answer, Doctor, if it's possible to do that.

22 MR. JACKSON: I know you didn't mark  
23 them and correlate them to the slides,  
24 but are you able to do that?

25 MR. YOUNG: No, I am not. Not as I

1 sit here today.

2 A. Would you say your question again, please?

3 Q. (BY MR. YOUNG) Yes. Are you able to identify any  
4 photograph which depicts a cross section of the  
5 specimen?

6 A. Well, yes, I think so. I think so. When you are  
7 looking at the squamous cell lesion, it may look  
8 similar, but I'm just taking your word for it they  
9 were taken from these.

10 Q. You have identified Deposition Exhibit 6 showing  
11 a cross section. Are there any others?

12 MR. JACKSON: Is that your testimony,  
13 you believe that's a cross section?

14 A. It probably is.

15 MR. JACKSON: Okay. Go ahead.

16 A. This may be too, but I'm not sure. They may be but  
17 I don't remember because I have not seen them for  
18 more than a year. Maybe this one too, but like I  
19 say, I don't know.

20 Q. (BY MR. YOUNG) Take a look carefully at all of  
21 them so that we don't --

22 A. I cannot be exact. I cannot tell you.

23 Q. Are you able to look at these photographs, any of  
24 the photographs, and you can spread them out before  
25 you here. You have identified Deposition Exhibit 10

1 and Deposition Exhibit 6 as probably showing a cross  
2 section but you can't be certain. Spread them out,  
3 if you would, and let me ask you if you are able to  
4 identify the base of the lesion that was excised in  
5 any of these photographs? Are you from these  
6 photographs able to make such an identification?

7 A. The base?

8 Q. Yes.

9 A. Maybe this (indicating).

10 Q. Go ahead and spread these out if you would like.

11 A. Maybe like -- I don't know how far --

12 Q. I'm sorry?

13 A. These would indicate to me the base of the lesion,  
14 these borders here (indicating).

15 Q. Indicating on Deposition Exhibit 9 and Deposition  
16 Exhibit 6, the darkened portion on 9 would seem to  
17 indicate the base, and indicating this would seem to  
18 indicate the base (indicating)?

19 A. Yes.

20 Q. Are you **able** -- and I want you to take your time and  
21 look at these photos, if you would. Are you able to  
22 identify any squamous cell carcinoma **cells** depicted  
23 in these photographs?

24 A. Like I say, I don't know. I didn't see squamous  
25 cell carcinoma, so I don't know how I can tell

1           you there is squamous cell carcinoma.

2   Q.       I understand. My question is as you sit here today  
3           and you look at these photographs, are you able now  
4           as **you** sit here to identify on these photographs  
5           in any way **squamous** cell carcinoma?

6   A.       I don't think I can tell.

7   Q.       Would you take a **look** at the photographs?

8   A.       I've looked.

9                   MR. JACKSON: Can I get a  
10                  clarification. Would you tell us what  
11                  magnification these are made on,  
12                  because they apparently are different  
13                  magnifications.

14                 MR. YOUNG: They apparently are at a  
15                  magnification and I can **do** that at a later  
16                  time.

17                 MR. JACKSON: I would like to have it  
18                  now.

19                 MR. YOUNG: I can't **do it now**.

20                 MR. JACKSON: You can't tell us what  
21                  the magnification is from the information  
22                  you have?

23                 MR. YOUNG: Yes, I can, but not **as we**  
24                  sit here today.

25                 MR. JACKSON: But you don't know --

1                   What I'm asking you is --

2                   MR. YOUNG:   Can I tell what

3                   magnification --

4                   MR. JACKSON:   Not in any particular

5                   one but some are obviously different

6                   magnification.   Some are like a hundred,

7                   some are 50.   Do you know what they are?

8                   MR. YOUNG:   And I can tell you ■ do

9                   know.   I do not have it with me today.   I

10                  cannot identify these photographs at this

11                  time --

12                  MR. JACKSON:   In general --

13                  BY MR. YOUNG:   By magnification

14                  specifically.

15                  MR. JACKSON:   ■ know that, but I'm

16                  saying obviously this one is a higher

17                  magnification. What's the highest

18                  magnification these were taken at?

19                  MR. YOUNG:   And I'm telling you I do

20                  not know as I sit here today.   I have that

21                  information available.

22                  MR. JACKSON:   Doctor, go ahead and see

23                  if you can answer his question.

24   A.           What was the question?

25   Q.           (BY MR. YOUNG)   The question is, are you able to

1 identify any squamous cell carcinoma cells on any of  
2 these photographs that have been marked for  
3 identification purposes as Deposition Exhibit 2  
4 through 11?

5 A. That question is unclear to me because, are you  
6 asking me is there squamous cell carcinoma or is  
7 there squamous cell carcinoma cell?

8 Q. I'm asking you if you are able to interpret any of  
9 these photos before you as containing squamous cell  
10 carcinoma?

11 A. NO.

12 Q. You have taken a look at them?

13 A. Yes.

14 Q. You have inspected them to your satisfaction?

15 A. Um-huh. I mean, yes.

16 Q. Is there any additional information or view that you  
17 need to understand and be able to answer that  
18 question?

19 A. No.

20 Q. All right. As you sit here today you believe that  
21 these photographs do not contain squamous cell  
22 carcinoma, is that correct?

23 A. Yes.

24 Q. Okay.

25 A. Are these the pictures of those slides?

1 MR. YOUNG: They are.

2 MR. JACKSON: You are going to give  
3 us, correlating with the exhibits, the  
4 slides they came from and the  
5 magnifications? You can do that?

6 MR. YOUNG: I can do that at another  
7 time. I don't know that it's relevant  
8 because she said there is no additional  
9 information which is necessary, that she  
10 has what she needs and that she is unable  
11 to identify any squamous cell carcinoma.

12 MR. JACKSON: I'm going to make a  
13 comment about that, because I think it's  
14 extremely unfair. Number one, these are  
15 photographs that we have never seen before  
16 at different magnifications and ~~we~~ don't  
17 know if these are the magnifications that  
18 were used or are normally used during  
19 the examination or in the process these  
20 photos were taken, so during the course of  
21 the deposition, in the third hour of a  
22 deposition to go through this kind of  
23 routine, I'm not sure is fair.

24 MR. YOUNG: I appreciate your  
25 objection but she testified she needs no



1 additional information.

2 MR. JACKSON: I don't care, when she  
3 is in a position of being in a deposition  
4 where I asked for information you didn't  
5 give us, but we will proceed with it known  
6 that I think this is an unfair process.

7 MR. YOUNG: I understand, but if she  
8 testified to that --

9 A. I mean to correct myself, because I don't know if  
10 this is truly the pictures of those, and like he has  
11 said, these are huge pictures.

12 Q. (BY MR. YOUNG) My question is not whether these are  
13 as demonstrated here. My question is when you see  
14 these photographs before you, whether they are  
15 photographs of Allan Boyd or not, are you able to  
16 identify any squamous cell carcinoma in these  
17 photographs?

18 A. Excuse me. See, I was answering in a different  
19 style. Let's start all over again.

20 Q. As you sit here today with these ten photographs  
21 before you, are you able to identify any squamous  
22 cell carcinoma in these photos?

23 MR. JACKSON: Here's what we will do,  
24 and maybe this will satisfy your  
25 circumstance. She has had probably

1 all of a minute to examine these as you  
2 spread them out. If you want her to  
3 examine these, and we will take a  
4 break for her to do that, then she  
5 will answer that.

6 MR. YOUNG: Let's do that.

7 (Short recess taken.)

8 (Question read by reporter.)

9 MR. JACKSON: Go ahead and answer  
10 that question.

11 A. No.

12 Q. (BY MR. YOUNG) Is there any area in any photograph  
13 which you find to be suspicious for squamous cell  
14 carcinoma?

15 MR. JACKSON: I'm going to object to  
16 that, but go ahead and answer if you can.

17 A. Well, they are suspicious of everything, not just  
18 cancer. This is like a broad reaction or process  
19 that can be seen with other things. That's why I  
20 cannot --

21 Q. So I understand your testimony --

22 A. Or I cannot suspect carcinoma alone,

23 Q. As I understand your testimony, they are suspicious  
24 of everything that can be causing this process, not  
25 just cancer; however, cancer can be one of the

1 conditions which could cause this process. Do I  
2 understand your testimony correct?

3 MR. JACKSON: Objection. You may  
4 answer.

5 A. Yes.

6 Q. (BY MR. YOUNG) A17 right. Now, in these  
7 photographs are you able to find any areas which  
8 contained well-differentiated **squamous** cell  
9 carcinoma?

10 A. No. I don't know, because like I've said, I cannot  
11 call it -- if you are telling me to call it well-  
12 differentiated squamous cell carcinoma, I cannot.

13 Q. I'm not telling you what *it* is. I'm asking you as a  
14 oathologist whether you can identify, and are able  
15 to identify squamous cell carcinoma in any portion  
16 of these photographs?

17 A. No, not exactly.

18 Q. When you say not exactly, you are qualifying it in  
19 some manner. How are you qualifying it?

20 A. That these changes can be seen in a variety of  
21 conditions. That's why.

22 Q. All right. But these changes do not enable you to  
23 identify squamous cell carcinoma or the cause of the  
24 condition, is that correct?

25 A. Repeat that again.

1 Q. Yes. These photographs do not enable you to  
2 identify specifically squamous cell carcinoma?

3 A. Do not enable me?

4 Q. Right. You can't see any squamous cell carcinoma  
5 specifically in these photographs, is that correct?

6 A. Yes.

7 Q. All right. Do you need additional time to review  
8 these photographs to draw that conclusion?

9 A. Not necessarily.

10 Q. Have you taken enough time to look at them?

11 A. I think so.

12 Q. Do you need any additional --

13 A. It's not a simple case.

14 Q. Go ahead.

15 A. It's not a simple case that, as I've said, you can  
16 call it straightforward this or that. You have to  
17 look at it and consider a13 kinds of conditions.

18 Q. All right.

19 A. That's what I mean.

20 Q. All right. And is that the reason that you  
21 contacted Dr. Brown by telephone?

22 MR. MURPHY: Is what the reason?

23 A. Partly, maybe.

24 Q. (BY MR. YOUNG) Do you know if that's the reason you  
25 contacted Dr Brown?

1 MR. JACKSON: Let me say you have been  
2 through that topic at least three times.

3 MA. YOUNG: I have been.

4 MR. JACKSON: And I'm not going to let  
5 you go through it. She has explained why  
6 she called Dr. Brown to describe her  
7 findings and the reason it's going to take  
8 hours is because you have continually asked  
9 the same questions over and over despite  
10 the answers given to you. You may not be  
11 getting the answers you want, but that  
12 doesn't mean you are going to be able to  
13 continue to ask the same questions.

14 Q. (BY MR. YOUNG) You have just described for me a  
15 process that is consistent with many causes or  
16 many conditions, correct?

17 A. That may be seen with other conditions, yes.

18 Q. Do you believe that you told Dr. Brown that in your  
19 telephone conversation?

20 MR. MURPHY: Objection.

21 MR. JACKSON: Told him what?

22 A. Can I answer?

23 MR. JACKSON: I want to know what he  
24 is talking about. Told him what?

25 MR. YOUNG: That this is a process

1                   that can be present, just as she testified.

2   Q.       (BY MR. YOUNG) Do you believe you told Dr. Brown  
3           that in the telephone call that you initiated?

4                   MR. MURPHY: Objection.

5                   MR. JACKSON: You may answer.

6   A.       Yes -- I don't know. Sometimes I don't know  
7           because if I didn't know exactly what this process  
8           is, I would **not** tell him one condition. I would  
9           tell him -- I would have told him, follow it up,  
10          remove it, or study the case more.

11   Q.       (BY MR. YOUNG) Do you believe that you told him  
12          follow *it* up more closely or remove it completely?

13                   MR. MURPHY: Objection.

14   A.       I don't remember. I may have, but I don't remember.  
15          I'm not being uncooperative. I'm just --

16                   MR. JACKSON: Do you have copies of  
17          these that we can have?

18                   MR. YOUNG: I do.

19   Q.       (BY MR. YOUNG) When did you first become aware of  
20          the fact that Allan Boyd had cancer?

21   A.       I think after we received the letter from you.

22   Q.       When the request was made for these slides to be  
23          sent on to The Cleveland Clinic, do you know who  
24          **made** the request?

25   A.       No.

1 Q. Do you know what information your office gained when  
2 the request was made?

3 A. No.

4 Q. Do you know why Dr. Garewal examined the slides  
5 before they were sent out?

6 A. I must have been away so he got to look at the  
7 slides. If he was the one there, he looked at them.  
8 I think they went to Medina **and** then there.

9 Q. All right. Let me show you what's been marked for  
10 identification purposes as V.R. Alonso, **M.D.**  
11 Deposition Exhibit 1, that being a letter and report  
12 of The Cleveland Clinic. Is that what you have had  
13 the opportunity to see today before your deposition?

14 MR. JACKSON: The doctor did not see  
15 the Setter attached but **she did see** the  
16 copies of the other two sheets at the same  
17 time.

18 A. Can I read it?

19 MR. YOUNG: Of course.

20 Q. (BY MR. YOUNG) Do you know Dr. Nunez?

21 A. Not personally. I know of **him**.

22 Q. You have not discussed this case with either he or  
23 Jain, have you?

24 A. No.

25 Q. Dr. Nunez in reaching his conclusions in this case,

1 has examined those photographs or those slides from  
2 Marymount to which we have been referring, has he  
3 not?

\* Yes.

5 Q. When we talk about two slides labeled S 89-5227,  
6 those are the slides which have been referred to  
7 that gave rise to the writing of your report, right?

8 A. Yes.

9 Q. You have had an opportunity to review the report  
10 dated January 14, 1991 of Dr. Nunez?

11 A. Yes,

12 Q. Does this report differ from the report that you  
13 gave concerning these slides?

14 A. No.

15 Q. De you Find it to be consistent?

16 A. Yes.

17 Q. Dr. Nunez concludes, final pathological diagnosis  
18 number one, in reference to the two slides from  
19 Marymount, tongue lesion, biopsy A, suspicious for  
20 well-differentiated squamous cell carcinoma. Do  
21 you agree with that statement?

22 A. I will not disagree with it. But I will not agree  
23 with it because he himself had contradicted himself.

24 Q. How did he contradict himself?

25 A. He said in the letter the main differential



1 diagnosis will be pseudo epitheliomatous  
2 hyperplasia.

3 Q. Essentially in his letter of 1-16-91 to Jain, he  
4 states, "These slides are rather difficult to  
5 interpret. The findings in this biopsy are highly  
6 suspicious for a well-differentiated squamous cell  
7 carcinoma; however, I cannot be a hundred percent  
8 positive by looking at this biopsy."

9 A. Right. So he knows there is. So calling it a  
10 squamous cell carcinoma is easy once he knows it's  
11 squamous cell carcinoma.

12 Q. And my question is did you find in your  
13 interpretation of these slides that they were highly  
14 suspicious for well-differentiated squamous cell  
15 carcinoma?

16 MR. JACKSON: We have been through  
17 that Row many times now?

18 A. I'm not saying highly suspicious. Like I said  
19 before, they are suspicious.

20 Q. (BY MR. YOUNG) They are suspicious for squamous  
21 cell carcinoma?

22 A. And other things.

23 Q. And other conditions. Did your report alert, and by  
24 that I mean your written report, did your written  
25 report alert Dr. Brown that these slides were highly

1 suspicious, or in your words, suspicious for  
2 squamous cell carcinoma?

3 MR. MURPHY: Objection.

4 A. Indirectly --

5 MR. JACKSON: Go ahead. Answer.

6 A. -- it alerted him of diseases, but not specifically  
7 one disease. So it should alert him to follow up  
8 the disease.

9 Q. (BY MR. YOUNG) How should it alert him to do that?

10 MR. MURPHY: Objection.

11 A. Because it's atypical, it's not normal, and I have  
12 all those findings that are not normal, but it's not  
13 specific for one condition.

14 Q. (BY MR. YOUNG) Is it your opinion that upon receipt  
15 of the written report alone, without further verbal  
16 clarification in that telephone call, Dr. Brown  
17 should have been aware of the possible causes for  
18 this condition described in your report?

19 MR. JACKSON: Objection.

20 MR. MURPHY: Objection.

21 MR. JACKSON: You may answer.

22 A. I think so. Even without a call, you mean?

23 Q. Yes.

24 A. Yes.

25 Q. So it's your position that the written report alone,

1 standing alone without the telephone call, should  
2 have alerted him to the need for totally eliminating  
3 the condition or most closely following the  
4 condition?

5 MR. MURPHY: Objection

6 MR. JACKSON: Objection. You may  
7 answer.

8 A. Yes.

9 Q. (BY MR. YOUNG) Are you able to draw an opinion  
10 concerning whether the tongue lesion which was  
11 biopsied in 1989 was the primary site of the cancer  
12 which caused Allan Boyd's death?

13 A. No.

14 Q. You don't have the sufficient information to  
15 determine that? do you?

16 A. No.

17 Q. And you have no opinion?

18 A. No, I don't. I don't think so.

19 Q. All right. Are you aware of any other physicians  
20 with opinions concerning that issue?

21 MR. JACKSON: You don't have to answer  
22 that question.

23 A. I didn't understand the question.

24 MR. JACKSON: You don't have to answer  
25 that question.

-1  
1 MR. YOUNG: On what basis? You  
2 can state the objection for the record if  
3 you have an objection.

4 MR. JACKSON: I have an objection.

5 MR. YOUNG: What is it?

6 MR. JACKSON: If there is expert  
7 testimony to be given in this case and I've  
8 discussed that with the doctor, that's not  
9 something that's discoverable with this  
10 doctor at all, discoverable by you at all.

11 MR. YOUNG: That's correct, but --

12 MR. JACKSON: On how many occasions  
13 have we discussed over the last three hours  
14 what she reviewed, who she talked to on  
15 this case, et cetera?

16 MR. YOUNG: And very simply, she can  
17 say no.

18 MR. JACKSON: The answer may be yes or  
19 may be no or some other answer, but you are  
20 exploring areas that go to attorney/client  
21 privilege and I'm not going to let her  
22 answer that kind of a question.

23 MR. YOUNG: I'm not exploring areas  
24 that enter into the attorney/client  
25 privilege.

MR. JACKSON: She told you who she talked to, what she looked at as it relates to this case. Now, if you want to go along with those, fine. But as far as any communications I have had with this doctor --

MR. YOUNG: I have not asked her anything concerning your communication with this doctor.

MR. JACKSON: Go ahead and ask your question,

Q. (BY MR. YOUNG) To your knowledge are you aware of any physicians who have an opinion concerning the primary site of *the* cancer which caused Allan Boyd's death?

A. No.

Q. And you have not yourself discussed that issue with any physicians, is that correct?

A. Right.

Q. All right. The original tissue specimen is still held at Marymount, is it not?

A. I think so. I'm not sure.

Q. Other than the report to which we have referred, your written report, is there any correspondence or other information in your department or your office

1 |           pertaining to this case? By that I mean cover  
2 |           letters to The Cleveland Clinic, records of  
3 |           communications in any way?

4 |   A.     **No.**

5 |   Q.     When the tissue slides would have been requested by  
6 |           another physician, would a notation of that have  
7 |           been made in your department?

8 |   A.     Secretaries record whatever goes **on.**

9 |   Q.     Do you retain any case files, patient files, in any  
10 |          way separate and apart from the tissue specimen,  
11 |          copies of the report, and the slides?

12 |   A.     Say that again.

13 |   Q.     Yes. In addition *to* copies of the written report,  
14 |          which we have identified here, and the slides,  
15 |          and the original tissue specimen, are there any  
16 |          records that are retained by your office concerning  
17 |          a case at any time?

18 |   A.     Only if we have consultation reports or like this.  
19 |          We did not get this.

20 |   Q.     Okay. What I'm looking for is whether there are  
21 |          times when you retain correspondence or  
22 |          communication?

23 |   A.     We retain all correspondence.

24 |   Q.     And records of communications with other physicians?

25 |   A.     Yes.

1 Q. They are retained in what form, in a patient file?

2 A. They are filed with the patient report.

3 Q. Patient reports are kept how, numerically?

4 A. Numerically.

5 Q. In a separate file and all matters, communications  
6 pertaining to that file are retained in that file?

7 A. Yes.

8 Q. And that's identified by the pathology number that  
9 we have identified?

10 A. Yes.

11 MR. YOUNG: I have nothing further at  
12 this time.

13 MR. JACKSON: Are those copies --

14 MR. MURPHY: Make a note I'm just  
15 going to reserve my right on behalf of Dr.  
16 Brown. Dr. Parsanko's attorney asked me to  
17 do the same for him.

18 MR. YOUNG: Would you like to read it,  
19 I would think?

20 MR. JACKSON: Yes.

21 MR. YOUNG: You don't want to waive  
22 signature.

23 - - -

24 (Deposition concluded at 1:49 p.m.)

25 - - -

4

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24


25



1 THE STATE OF OHIO, )  
2 ) SS: CERTIFICATE  
COUNTY OF CUYAHOGA. )

3 I, James M. Mizanin, a Notary Public within and  
4 for the State of Ohio, duly commissioned and  
5 qualified, do hereby certify that VICTORIA R.  
6 ALONSO, M.D. was by me, before the giving of her  
7 deposition, first duly sworn to testify the truth,  
8 the whole truth and nothing but the truth; that the  
9 deposition as above set forth was reduced to writing  
10 by me by means of Stenotype and was subsequently  
11 transcribed into typewriting by means of computer-  
12 aided transcription under my direction; that  
13 said deposition was taken at the time and place  
14 aforesaid pursuant to notice and agreement of  
15 counsel; that the reading and signing of the  
16 deposition by the witness were expressly waived; and  
17 that I am not a relative or attorney of either party  
18 or otherwise interested in the event of this action.

19 IN WITNESS WHEREOF, I hereunto set my hand and  
20 seal of office at Cleveland, Ohio, this 15th day of  
21 September, 1993.

22 

23 James M. Mizanin, RPR, CM, Notary Public  
24 Within and for the State of Ohio  
444 Terminal Tower  
Cleveland, Ohio 44113

25 My Commission Expires: January 25, 1998.