

IN THE EIGHTEENTH JUDICIAL DISTRICT  
DISTRICT COURT, SEDGWICK COUNTY, KANSAS  
CIVIL DEPARTMENT

Doc. 12

KATHLEEN HOYT, a minor; by )  
and through her natural )  
mother and natural guardian, )  
ANGELA HOYT, and KENNETH )  
HOYT, and ANGELA HOYT, )  
individually, )

COPY

Plaintiff, )

VS. )

NO. 91 C 1116

CARL M. CHRISTMAN, M.D., )

Defendant. )

\* \* \* \* \*

DEPOSITION OF GEOFFREY P. ALTSHULER, M.D.

taken on behalf of the

Plaintiffs

on May 29, 1992

in Oklahoma City, Oklahoma

\* \* \* \* \*

APPEARANCES:

For the Plaintiffs:

MR. BRADLEY J. PROCHASKA  
Eastside Financial Center  
7701 East Kellogg, Suite 415  
Wichita, Kansas 67207

For the Defendant:

MR. PAYNE H. RATNER, JR.  
Ratner, Mattox, Ratner, Brimer & Elam  
444 North Market Street  
Wichita, Kansas 67201

REPORTED BY: ANNETTE L. BEAN, CSR

## I N D E X

	PAGE
STIPULATIONS . . . . .	3
DIRECT EXAMINATION BY MR. PROGHASKA . . . . .	4
Plaintiff's Exhibit No. 1 . . . . .	5
Plaintiff's Exhibit No. 2 . . . . .	6
Plaintiff's Exhibit No. 3 . . . . .	8
Plaintiff's Exhibit No. 4 . . . . .	8
Plaintiff's Exhibit No. 5 . . . . .	10
Plaintiff's Exhibit No. 6 . . . . .	20
Plaintiff's Exhibit Nos. 7A and 7B . . . . .	155
WITNESS' SIGNATURE . . . . .	184
CERTIFICATE . . . . .	285

## S T I P U L A T I O N S

It is stipulated and agreed by and between the parties hereto, through their respective attorneys, that the Deposition of GEOFFREY P. ALTSHULER, M.D., may be taken on behalf of the Plaintiffs, on this, the 29th day of May, 1992, in the City of Oklahoma City, State of Oklahoma, by Annette L. Bean, Certified Shorthand Reporter within and for the State of Oklahoma, notice of time and place of taking said Deposition is hereby expressly waived.

It is further stipulated and agreed by and between the parties hereto, through their respective attorneys, that all objections to questions propounded and answers thereto made, except as to the form of the question or the responsiveness of the witness' answer, may be made at the time of the trial when said Deposition is offered into evidence, with the same force and effect as if said objections were made at the time of the taking of this Deposition.

It is further stipulated and agreed by and between the parties hereto, through their respective attorneys, that the time of filing is waived.

1 And thereupon the following Witness was  
2 produced by the Plaintiffs:

3 GEOFFREY P. ALTSHULER, M.D.,  
4 the Witness hereinbefore named, being first duly  
5 cautioned and sworn the truth, the whole truth, and  
6 nothing but the truth, testified on his oath as  
7 follows:

8 DIRECT EXAMINATION

9 BY MR. PROCHASKA:

10 Q. Can you state your name and business  
11 address?

12 A. Geoffrey Altshuler, M.D., Children's  
13 Hospital, 940 Northeast 13th, Oklahoma City, zip code  
14 73104.

15 Q. You live in Oklahoma City?

16 A. Yes.

17 Q. Okay. And we're at the Waterford Hotel  
18 for your deposition today?

19 A. Yes.

20 Q. All right. And you have on the table  
21 many documents from your file, which we're going to go  
22 through right now. Is that okay with you?

23 A. Yes.

24 Q. All right. At a point in time, you  
25 received Dr. Schiffrein's report of 8-21-90; Dr. Paul's

1 report of July 2 of '90 -- excuse me, that was Dr.  
2 Bascom Anthony's report of July 2 of '90; Dr. Richard  
3 Paul's report of 1-22-92; Dr. Benirschke,  
4 B-e-n-i-r-s-c-h-k-e's, report of 9-18-91; Dr.  
5 Shaefer's -- excuse me, Dr. Hill's report of 10-26-90;  
6 and you also received the Wesley Medical Center  
7 pathology report of 9-5-82. And what's been deleted  
8 from it?

9 A. At my request, the clinical history. I  
10 insisted that the clinical history be deleted.

11 Q. Okay. We're also going to have marked as  
12 Deposition Exhibit No. 1 a file marked General  
13 Correspondence with 11 pages in it that refer to your  
14 fee and correspondence from Wesley and Mr. Ratner's  
15 office. We'll have that No. 1. Okay. I'm going to  
16 put it on the chair here.

17 (Whereupon, Deposition Exhibit No. 1 was  
18 marked for identification.)

19 (Whereupon, an off-the-record discussion  
20 was had.)

21 BY MR. PROCRASKA:

22 Q. We will have marked as No. 2 a 12-page  
23 document entitled Hoyt V. Christman. Doctor, what  
24 will we entitle these 12 pages?

25 A. Well, you could call it follow-up report

1 of April 29, 1991.

2 Q. All right. Now, you issued two reports.  
3 Is this one after those two?

4 A. You have them in the other stack.

5 Q. I don't remember the dates. You don't  
6 know offhand the chronology?

7 A. Well, the first report was dated March  
8 24, 1991, and --

9 MR. RATNER: I think what I understand  
10 that to be that you're asking about, Brad, is his  
11 notes and -- that he used in developing his reports.

12 MR. PROCHASKA: Okay.

13 BY MR. PROCHASKA:

14 a. So what we have marked as Deposition  
15 Exhibit No. 2 dated April 29, 1991, precedes by one  
16 day your second expert report dated April 30th, 1991?

17 A. Yes.

18 Q. Okay.

19 (Whereupon, Deposition Exhibit No. 2 was  
20 marked for identification.)

21 (Whereupon, an off-the-record discussion  
22 was had.)

23 BY MR. PROCHASKA:

24 Q. We'll have marked as Deposition Exhibit  
25 No. 3 three more documents. One's the alleged

1 gestational age document; one has on the top of it  
2 Fields, B: Virology, 2nd Edition.

3 MR. RATNER: Why don't you let her mark  
4 it so you can say which document is which.

5 MR. PROCHASKA: These are all No. 3.

6 MR. RATNER: Oh, I'm sorry.

7 BY MR. PROCHASKA:

8 Q. Dr. Fields B: Virology, 2nd Edition, Raven,  
9 New York, is that a textbook?

10 A. Yes.

11 Q. All right. Is that in your office or  
12 something?

13 A. It's not in my office, but it's a  
14 standard book obtainable from the library.

15 Q. All right. Did you review or consult  
16 that book in the process of reviewing this case?

17 A. Yes.

18 Q. Okay. And then the third page of  
19 Deposition Exhibit No. 3 is a growth chart that has  
20 stuff on the front and the back. And do I see that  
21 you have three horizontal lines on the front page  
22 which you put there?

23 A. I did.

24 Q. Okay. And that -- those do correspond to  
25 the measurements of Katie Hoyt in terms of weight,

1 length, and head circumference?

2 A. Yes, those items being at the top right  
3 of the page.

4 Q. Okay. And we'll have all those three  
5 pages marked as No. 3.

6 (Whereupon, Deposition Exhibit No. 3 was  
7 marked for identification.)

8 MR. RATNER: Off the record.

9 (Whereupon, an off-the-record discussion  
10 was had.)

11 BY MR. PROCHASKA:

12 Q. And Deposition Exhibit No. 4 is four  
13 articles. I'm going to give you the title, you  
14 correct me if I'm wrong, Doctor.

15 A. "Infectious Disease of the Fetus and  
16 Newborn," by Remington and Klein; the ACOG Committee  
17 Opinion, No. 91 of February of '91; an article  
18 entitled "Prevention of Early-Onset Neonatal Group B  
19 Streptococcal Disease with Selective Intrapartum  
20 Chemoprophylaxis"; another article entitled "Cerebral  
21 Palsy: MR Findings in 40 Patients"; and a fifth  
22 article, "Commentary," by Joe Volpe. And so I'm  
23 wrong. Deposition Exhibit 4 is actually five  
24 articles. Wave those marked.

25 (Whereupon, Deposition Exhibit No. 4 was



1 marked for identification.)

2 (Whereupon, an off-the-record discussion  
3 was had,)

4 BY MR. PROCHASKA:

5 Q. Deposition Exhibit No. 5 is 16 pages.  
6 The first two contain questions, as you understand it,  
7 Doctor, from Maggie Roberts?

8 A. Yes.

9 Q. The next two pages concern the dating as  
10 to whether this is post term or not?

11 A. Right.

12 Q. Okay. And we have the page from Dr.  
13 Christman's chart dealing with -- oh, it contains the  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23

24 MR. PROCHASKA: And I'm going to ask the  
25 Court Reporter, on everything that I give you, if

1 there's any portion that's highlighted, as on this  
2 four-page chronology, I'm going to ask you to  
3 highlight it as it is highlighted as it is handed to  
4 you. Okay?

5 MR. RATNER: Or just have it copied by  
6 someplace where they can do it in color.

7 MR. PROCHASKA: Either way.

8 BY MR. PROCHASKA:

9 Q. And then we have, at the end of this  
10 deposition exhibit, the discharge summary of 9-20-82  
11 of Katie Hoyt. We have a November 2, 1982, all the  
12 way to 10-3-90, four-page -- four pages of copies of  
13 Dr. Svoboda's office chart. Okay?

14 A. Sure.

15 (Whereupon, Deposition Exhibit No. 5 was  
16 marked for identification.)

17 (Whereupon, an off-the-record discussion  
18 was had.)

19 BY MR. PROCHASKA:

20 Q. And then you also reviewed the deposition  
21 of Dr. Kurt Benirschke?

22 a. I did.

23 Q. And you highlighted many pages of it and  
24 yellow tabbed many pages of it?

25 A. Yes.

1           a.     Okay. What I would like to find out is  
2 what material you had before you did your first  
3 report. Okay? And as I would understand it, you had  
4 the pathology slides. Did you also have the recuts?

5           A.     I had what I photocopied with a Xerox  
6 machine and appended as being page six of my March 24,  
7 1991, report. And I had the Wesley Medical Center  
8 surgical pathology report of accession No. 82-9953  
9 from which clinical information had been erased,  
10 according to my requirement.

11          Q.     Okay. Did I ask you, did you have the  
12 recut slides also?

13          A.     I doubt it. If they're not  
14 photostated -- I beg your pardon. On my photostatic  
15 copy, it clearly states on the label "recut."

16          Q.     Okay.

17          A.     So that -- that is all that I would have  
18 had, four slides that were recuts.

19          Q.     Other than a dictated pathology report,  
20 you had no medical records whatsoever?

21          A.     That is true.

22          Q.     And that's the way you prefer to review  
23 the slides so that you're not influenced by the  
24 clinical findings and the medical records?

25          A.     True.

1           Q.     All right. And who first contacted you,  
2 very first contact of all?

3           A.     I believe that it would have been Maggie  
4 Roberts, but I couldn't swear to that.

5           Q.     Okay. And as I refer to your first  
6 report, it says you got a letter from Mr. Ratner  
7 raising questions that the patient's placenta had CMV  
8 or other reasons for the adverse outcome. You got  
9 that letter before you reviewed the slides?

10          A.     Probably. I suspect, but couldn't swear  
11 to it, that there would have been a phone call from  
12 Ms. Roberts. And then when I would have made it clear  
13 to her that I would not want detailed clinical  
14 information, I assumed that they would have sent the  
15 slides --

16                   (Whereupon, an off-the-record discussion  
17 was had.)

18           MR. PROCHASKA: I'm at the end of the  
19 table, Doctor, because of the glare.

20                   (Whereupon, an off-the-record discussion  
21 was had.)

22 BY MR. PROCHASKA:

23           Q.     Okay. You had the letter, you had at  
24 least a phone call from Marge Roberts, and you think  
25 you may have had one from Mr. Ratner before you issued

1 the first report?

2 A. No, no. I believe that the phone  
3 conversation would have been with Maggie Roberts and  
4 that, essentially, that at the time that I did the  
5 first report under the date of March 24, 1991, that  
6 inadvertently Mr. Ratner had slipped in some  
7 information in his letter, I believe, if you double  
8 check the letter. But knowing me, I doubt that I  
9 would have even paid any attention to that letter at  
10 the time. I would have looked at the slides and the  
11 report.

12 Q. All right. Would you assume that you  
13 would have read the letter?

14 A. I may have read the letter. It might  
15 have said that it was -- whether a post term or  
16 whatever was in the letter. If you just could go to  
17 the letter now because it's obviously been marked as  
18 an exhibit. My point of emphasis is that the first  
19 report was done without any meaningful clinical  
20 information.

21 Q. All right. All I'm getting at is, your  
22 routine is you read your mail?

23 A. That's right. But I don't commit it to  
24 memory.

25 Q. Okay. And Maggie Roberts, can you tell

1 me essentially what she told you over the phone in  
2 your first contact with her?

3 A. I would firmly believe that she would  
4 have asked me to review a case and that I would have  
5 made it crystal clear that I wouldn't have wanted the  
6 details and that she could send it on the  
7 understanding that she would erase the clinical  
8 information from the original pathologist's report.

9 And I would have to assume that it can be  
10 easily checked that somewhere in the middle Mr.  
11 Ratner, unaware of what I would require, would have  
12 sent that letter, that I would have read it because I  
13 do read my mail then quickly set it aside and, at the  
14 time that the review would have been done, not reread  
15 his letter.

16 a. Do you know Maggie Roberts?

17 A. I do. I'm embarrassed to say that if --  
18 if she walked in the door, I may not recognize her as  
19 being Maggie Roberts. But I do believe that she was  
20 at a College of American Pathologists presentation at  
21 which I spoke and that we may have met one on one  
22 very, very briefly. But aside from that, that's the  
23 extent to which I know her.

24 Q. Did that meeting occur before your  
25 initial contact by her in this case?

1           A.       I would have to look in my files. I gave  
2 a presentation in Atlanta probably in 1990. Again, I  
3 **don't apologize for my absent mindedness. Any meeting**  
4 that I would have had with her would have been  
5 exceedingly brief.

6                   Her husband was on that program. I do  
7 not particularly know her husband, other than as a  
8 very marginal acquaintance having been on the same  
9 program. I guess I've spoken to him for a matter of  
10 some very few minutes, in other words, at such a  
11 meeting.

12           Q.       Did you know her husband before this  
13 first report was issued?

14           A.       I think marginally acquainted. I had  
15 given at least one presentation in Wichita. It's one  
16 of those situations wherein I probably met him and  
17 would not have remembered him, but I assume that,  
18 since he's very active in the obstetric community,  
19 that he was probably at my talk. And I'm sure you've  
20 had exactly the same experiences in your profession.

21           Q.       Can you tell me what Marge Roberts told  
22 you during the first conversation?

23           A.       I can't. All I can tell you is that most  
24 attorneys, you know, would tell you that I make it  
25 very clear that I don't want to have information at

1 the time that I review the case. And I would have to  
2 believe, being an intelligent lady, that she respected  
3 that and essentially told me nothing.

4 Q. Do you recall if she told you this was a  
5 brain-damaged baby case?

6 A. To be quite honest with you, I pretty  
7 much assume that as -- as expected. That in other  
8 words --

9 Q. Okay.

10 A. -- that's a presumption on my part that  
11 99 times out of 100 that's why I'm being asked to  
12 review a case.

13 Q. How about that she was working for a  
14 lawyer representing the doctor? Did you glean that  
15 from the conversation?

16 A. Oh, I'm sure I did.

17 Q. All right.

18 A. In fact, I do believe I would have known  
19 that. I think, in fact, that I had consulted for the  
20 firm prior to this.

21 Q. Okay.

22 A. So that, I did know.

23 Q. All right. You think you've worked --  
24 have you worked for Mr. Ratner before?

25 A. I believe specifically that I have, but I



1     can't recall the case, and I'm sure that he could  
2     provide that information.

3                 THE WITNESS:   I think it's true that I  
4     had worked for you before.

5                 MR. RATNER:   Honestly, I can't recall.   I  
6     think there might have been one case several years  
7     ago, but I'm not really --

8                 THE WITNESS:   See, I don't know.   In  
9     truth, I don't know.

10    BY MR. PROCHASKA:

11                Q.     We have a lot of bad memory going on  
12    around here, Doc.

13                A.     Well, you know, I'm not embarrassed to  
14    tell you that I don't remember.   This case goes back  
15    quite a while.   I've done a couple of cases, and I  
16    don't remember whether I've done a third for sure.

17                Q.     So you would have gleaned then that Marge  
18    Roberts was working for the defense?

19                MR. RATNER:   That's Maggie Roberts.

20                MR. PROCHASKA:   Maggie Roberts, I'm  
21    sorry.

22                THE WITNESS:   Absolutely, yes.   I mean,  
23    I'm sure that I knew that.

24    BY MR. PROCHASKA:

25                Q.     Okay.

1           A.     May I just say that, on the occasions  
2     that I don't know that, which is frequently the case,  
3     because frequently if I'm lucky, you know, I know  
4     nothing about it, then I put in the report, it's my  
5     habit to say, I'm making the report without any  
6     knowledge of the clinical and without knowing which  
7     side they're representing.

8           Q.     Okay. Now, you would have told Maggie  
9     Roberts you don't want to know any of the details  
10    because you like to remain objective and uninfluenced  
11    by what's on the chart?

12          A.     Absolutely, yes.

13          Q.     All right. You made that clear to her?

14          A.     Absolutely, yes.

15          Q.     All right. And now, before you were able  
16    to say that though, did you also glean from your  
17    conversation with her that there was allegations of  
18    perinatal asphyxia or similar term causing brain  
19    damage?

20          a.     Let me just say that I assume that,  
21    again, because 99 times out of 100 it's a matter of  
22    basically -- I don't mean to use the term common  
23    sense. I guess a better word would be experience.

24          Q.     Okay.

25          A.     Ninety-nine times out of 100 or nine out

1 of ten times, that's why people ask me to look at  
2 placentas.

3 Q. Okay. And I guess would it also be your  
4 assumption from experience that you would assume  
5 there'd be an allegation the doctor was negligent in  
6 failure to deliver the baby in a timely manner and  
7 that resulted in fetal -- or perinatal asphyxia  
8 causing brain damage?

9 A. Yes.

10 Q. Okay. Now, when you reviewed the slides  
11 and you know you're going to be issuing your first  
12 report and reporting the significant findings of your  
13 slides review?

14 A. Yes.

15 Q. Okay. Is it fair to say then that the  
16 significant findings demonstrating an abnormal  
17 placenta -- let me rephrase that. Would it be fair to  
18 say all significant abnormal findings of your review  
19 of the placenta are in the first report?

20 A. That's true.

21 Q. All right. If I were to summarize the  
22 major significant findings you noted in your first  
23 report, short umbilical cord -- is that one?

24 A. It's certainly by no means the most  
25 important, but -- so I don't want to assign any

1 priority of importance.

2 Q. That's fine.

3 A. But that was an observation that I made.

4 Q. All right. All I'm getting at is we  
5 don't have 100 significant findings, we have four or  
6 five or whatever. I want to go through them with  
7 you --

8 A. Okay.

9 Q. -- and we'll do just the significant  
10 findings.

11 A. Okay.

12 Q. A short umbilical cord, chorangiosis,  
13 chronic villitis, and avascular villi. That's the  
14 four I deduced. Is there another one?

15 A. No. I think the best way to answer this,  
16 because it's certainly the most honest way, is to mark  
17 as an exhibit an item that represents the photographs  
18 that I took because I chose to photograph those out of  
19 an opinion that they were significant.

20 Q. Okay. How did this one slip by me?

21 A. It was there. Trust me.

22 (Whereupon, an off-the-record discussion  
23 was had.)

24 MR. PROCHASKA: We'll mark this as No. --

25 (Whereupon, Deposition Exhibit No. 6 was

1 marked for identification.)

2 (Whereupon, an off-the-record discussion  
3 was had.)

4 BY MR. PROCHASKA:

5 Q. All right. I appreciate that answer.  
6 Would I be correct though to summarize the significant  
7 findings contained in report No. 1 would be short  
8 umbilical cord, chorangiosis, chronic villitis, and  
9 avascular villi?

10 A. They were there, and there were other  
11 items there.

12 a. All right. Now -- and I'm not meaning to  
13 tie you in, but are those the four that you find are  
14 of major significance in terms of abnormalities that  
15 cause you to have the opinions you have?

16 A. Well, I've prefaced my comment by saying  
17 I don't think the shortness of the cord is a major  
18 factor.

19 Q. Okay.

20 A. It's meaningful information, in my  
21 opinion.

22 Q. Okay.

23 A. And I think that there are additionally  
24 meaningful items that were photographed which I'm  
25 delighted for you to have.

1           Q.     All right. But is the basis of your  
2 conclusions then, on the chorangiosis, chronic  
3 villitis, vascular villi, with less support from the  
4 short umbilical cord and a few other things?

5           A.     Well, meconium was present and clearly  
6 was important.

7           Q.     Okay.

8           A.     And that would be part of what I was  
9 talking about. There was fibrin deposition indicating  
10 an ongoing thrombotic clot-like phenomenon in the  
11 fetal placenta. And I must emphasize that, in  
12 addition to the avascular villi, there were clear-cut  
13 features of end-stage, e-n-d, end-stage thrombotic  
14 phenomenon.

15          Q.     All right. So if I again ask you the  
16 major significant abnormal findings, they would be  
17 short umbilical cord, chorangiosis, chronic villitis,  
18 avascular villi, meconium, fibrin deposition, and  
19 end-stage thrombo --

20          A.     Thrombotic, you know, like,  
21 t-h-r-o-m-b-o-t-i-c, changes.

22          Q.     Thrombotic changes.

23          A.     Yeah, in the vessels of the fetal villi.

24          Q.     Right.

25          A.     And there were some basal inflammatory

1 features. Dr. Benirschke correctly pointed out that  
2 some plasma cells were present there.

3 There were bacteria at the center of the  
4 membrane roll that I elected not to call streptococci;  
5 but in terms of common things are common in the  
6 context of the case, I'm not surprised that they were  
7 proven to be streptococci. **And** I believe that that  
8 represents what, in my opinion, are meaningful  
9 pathological changes.

10 Q. All right. Now, after the first  
11 report -- well, let me rephrase that. After **you**  
12 reviewed the slides, you concluded in your report that  
13 it was reasonable to conclude fetal disease was  
14 responsible for the **bad** outcome of the pregnancy;  
15 correct?

16 A. Yes.

17 a. That's your opinion today?

18 a. The first report of March 24 is -- that's  
19 verbatim what I said.

20 Q. All right. That's your opinion still  
21 **today?**

22 A. Yes.

23 Q. All right. Now, you also said that your  
24 final opinion must depend on a comprehensive review of  
25 the clinical facts. Why must your final opinion

1 depend on a comprehensive review of the clinical  
2 facts?

3 A. I think it would be totally  
4 irresponsible, in any part of a pathologist's  
5 discipline, to make a final opinion without a clinical  
6 history.

7 Q. Why is that?

8 A. Because particularly in diseases of the  
9 developing fetus and child, clinical information will  
10 strongly influence the bottom line.

11 Q. Okay.

12 A. From my experience, which is --  
13 particularly now, we're talking about fetoplacental  
14 pathology and patient outcome, the range of experience  
15 that I have had allows me to talk about likelihoods or  
16 probabilities, which is what we're discussing today,  
17 probabilities, but it does not entitle me to give a  
18 final opinion in the absence of clinical information.

19 Q. Okay. All you're recognizing, if I can  
20 partially quote you, is that clinical information  
21 strongly influences your final conclusion?

22 A. Exactly. In other words, prior to that,  
23 I can talk about degrees of probability, but I can't  
24 talk about absolute conclusion.

25 Q. And you're recognizing that, when you



1 finally go to the clinical chart, it may provide  
2 important new evidence for you to consider?

3 A. None the least of which it will either  
4 reinforce my own credibility, which is important to me  
5 more so than what other people think of me, or it will  
6 cast doubt upon me if it turns out that the clinical  
7 facts are severely discordant from what I had  
8 reported.

9 And in the present case, there was, in my  
10 opinion, complete concordance between what I had  
11 stated without history, whether it would be the actual  
12 bacteria that I saw or the meconium that was present  
13 or the number of nucleated red blood cells, that it  
14 was concordant.

15 MR.PROCHASKA: Can you read my question  
16 back?

17 (Whereupon, the Court Reporter read back  
18 the material requested by counsel.)

19 BY MR. PROCHASKA:

20 Q. Correct?

21 A. Yes.

22 Q. Okay. It may support your conclusion or  
23 it may not support your conclusion?

24 A. Yes.

25 Q. Okay. And it's your opinion that the

1 clinical chart supports your conclusion in this case?

2 A. That is my opinion.

3 Q. All right. And with that in mind then,  
4 your second report is essentially the same as your  
5 first report in terms of the conclusion?

6 A. In essence, yes.

7 Q. Okay. And have there been times when,  
8 after reviewing the pathology slides, you expected to  
9 see a different indication in the chart on your  
10 clinical review?

51 A. I honestly can't remember those. I don't  
12 think anybody is perfect, and I don't mean to imply  
53 that I am. But let me just say that I -- I think it  
14 would have to be very rare because I honestly can't  
15 remember.

16 Q. Okay. Can you ever recall a time when  
17 you reviewed the slides, reached a tentative  
18 conclusion, looked at the chart, and decided your  
19 tentative conclusion was wrong and completely change  
20 your conclusion?

21 A. No, I can't recall that. I think that,  
22 as much as possible, I try not to go beyond what my  
23 experience allows. Again, I would emphasize that I  
24 recognize I'm not perfect, and I must have made  
25 mistakes in my career. But if we're talking in terms

1 of intent and probabilities, I think that the record  
2 would show, you know, if you pump into the American  
3 Trial Lawyers Association, that I'm pretty much on  
4 target.

5 Q. All right. All I'm get at is, as we sit  
6 here today, you can't recall an instance where you  
7 reviewed the slides, reached a conclusion, looked at  
8 the clinical chart, decided your conclusion was wrong,  
9 and changed it?

10 A. If we're talking about, you know, the  
11 intent being the prospective of the case as opposed  
12 to, you know, less important, you know, impact  
13 considerations, then obviously I'm not right on target  
14 with everything. But in terms of the prospective and  
15 the overview, I can't recall.

16 Q. All right. Now, when you completed your  
17 review of the slides, what was your expectation of  
18 what you would see in the clinical chart in terms of  
19 the cause of this baby's brain damage?

20 A. Well, the first thing that I expected to  
21 see was confirmation of what I had literally  
22 photographed, that clearly that there would have had  
23 to have been meconium discharge and that clearly it  
24 must have happened, you know, quite a few hours as  
25 opposed to minutes before delivery.

1 Q. Okay.

2 A. Okay? I mean, in other words, that it  
3 was clear to me that the meconium discharge had not  
4 occurred 30 minutes beforehand, but rather, you know,  
5 hours beforehand.

6 That clearly some bacteria were present,  
7 and I put in there, in fact, the caveat in the third  
8 paragraph of the initial report in block letters  
9 there, that I would be very interested to know about  
10 whether Group B strep would be present because clearly  
11 I had photographed organisms that could be Group B  
12 strep.

13 So I was looking for these kinds of  
14 correlations. I was clearly concerned about issues of  
15 chronic intrauterine infection, and the commonest that  
16 we know about as a known cause would be  
17 cytomegalovirus. I was clearly interested to know  
18 whether there would be confirmation of my allegation  
19 that the nucleated red cells would have to be  
20 numerically increased and abnormal. And I think that  
21 that conveys the essence of what I was seeking.

22 Q. Now, when you wrote in your first report  
23 that it was reasonable to conclude fetal disease was  
24 responsible for the bad outcome, I'm not sure I know  
25 what you mean by "fetal diseases." I've looked

1 through some of your literature here. Is it your  
2 opinion that the fetal disease you are talking about  
3 is a congenital infection?

4 A. If you mean "congenital" as being present  
5 at birth, yes.

6 Q. I mean "congenital" as being present in  
7 uterus and at birth.

8 A. Yes. In other words, it was my opinion  
9 that there was long-standing fetal infection present.

10 Q. Okay.

11 A. It was present for a long time before  
12 birth, and it was present at birth, in terms of, you  
13 know, it's effect. It may not be active viremia at  
14 birth, but the significance of it was present at  
15 birth.

16 And most particularly, I have published  
17 placental findings which strongly associate it with  
18 neonatal asphyxia; and those findings were present in  
19 this case. So without any clinical history, I would  
20 have expected that there would have been neonatal  
21 asphyxia, playing the percentages.

22 Q. Okay. Now, if I understand you then, is  
23 it your opinion that the fetal disease responsible for  
24 the bad outcome is a congenital infection present in  
25 the fetus and in the newborn at the time of birth?

1           A.       That's one of the factors.

2           Q.       Okay. Is there another one?

3           A.       Oh, yes. Hypoxia that would clearly be  
4 independent of the fetal infection.

5           Q.       All right.

6           A.       I am not -- I am not by any means saying  
7 that this fetus and newborn had suffered congenital  
8 cytomegalovirus infection. I am not saying that. But  
9 if we would use that as an important example of a  
10 major common known such chronic infection, let me  
11 emphasize that those babes do not necessarily have the  
12 chronic fetal hypoxia which this particular baby had  
13 suffered.

14          Q.       So all I want to find out is, when you  
15 say there was fetal disease, we're talking about  
16 congenital infection, chronic hypoxia -- anything  
17 else?

18          A.       Well, I don't know what causes the  
19 chorangiosis other than an hypothesis of mine which is  
20 supported by experimental pathology. Okay?  
21 Experimental pathology supports that chorangiosis is  
22 caused by very, very low-grade and very prolonged lack  
23 of oxygen to the tissue.

24          Q.       Okay.

25          A.       I mean, I can't pretend to know

1 everything. So what I'm saying is, so many of the  
2 items that we've discussed, you know, are chronic,  
3 they've been there for quite a time, whether or not  
4 one knows what causes them.

5 Q. All right. All I'm getting at then is,  
6 when Dr. Altshuler says a fetal disease was  
7 responsible for the bad outcome, you're talking about  
8 congenital infection and chronic hypoxia and nothing  
9 else?

10 A. Essentially, that would be true. But one  
11 would have to recognize that complications of those  
12 events could well be important to be specific, to be  
13 specific. When a fetus has hypoxia, one of the  
14 complications is coagulation disorder or thrombosis.  
15 One of the complications of that can be embolism or a  
16 clot being thrown off. That may well have been  
17 present in this case inasmuch as I have photographed  
18 thrombotic material.

19 Q. So it would be your opinion then that,  
20 when you say, "Fetal disease was responsible for the  
21 bad outcome," you mean that congenital infection and  
22 chronic hypoxia was responsible for the bad outcome,  
23 and you found confirmation of that in the clinical  
24 chart?

25 A. Yes. And I think, in terms of semantics,

1 that we need to go beyond the word "congenital."  
2 "Congenital" simply means "at birth."

3 Q. Okay.

4 A. And I'm saying that the problems were  
5 there for a long time prior to birth, as well as being  
6 there at birth.

7 Q- Okay. And for the record, when I use the  
8 word "congenital," I did mean in utero and at the  
9 moment of birth.

10 A. Yeah. And I'm not criticizing you,  
11 believe me. I just needed to be sure that we're  
12 talking the same language,

13 Q. We are.

14 Now, when we're talking about congenital.  
15 infection and chronic hypoxia, is Dr. Altshuler able  
16 to tell me how long this occurred before delivery?  
17 Days? Weeks? Months?

18 A. The chronic infection, in my opinion,  
19 would have been many days, based upon the appearance  
20 under the microscope.

21 Q. All right.

22 A. The nucleated red blood cells, from my  
23 empirical knowledge, that's just to say my own  
24 personal knowledge, would be at the least 24 hours of  
25 a meaningful degree of fetal hypoxia and, from



1 experimental data of colleagues, at the least, three  
2 days before delivery; the meconium, at the least, 12  
3 hours; and for other things, I'm dependent upon  
4 anthropometric data, for example, of the newborn babe.

5 Q. Okay. Without tying you down more than  
6 you care to be, "many days" is a little bit loose for  
7 me. I'm going to ask the question again. Give me the  
8 range of weeks or months or days for the length of  
9 time that this fetus was suffering from chronic  
10 hypoxia and congenital infection.

11 A. Based upon the histology alone, it would  
12 be difficult for even the greatest expert to answer  
13 the question based upon the histology alone. You can  
14 talk about the risk factor of the outcome. I mean, to  
15 be specific, I think there could be a consensus that  
16 it takes many, many days to produce the avascular  
17 villi; that it could be, for example, a month or six  
18 weeks.

19 But in terms of saying, "Could it have  
20 been eight weeks or nine weeks that there could have  
21 been significant compromise?" then you'd have to look  
22 at target effects, like, whether the head might be  
23 slightly small relative to the length. And that's  
24 what I meant by anthropometric data. Once you go  
25 beyond a few weeks, it gets to be a deal where you

1 depend upon anthropometric data.

2 Q. Well, Doctor, do you think that **the**  
3 chronic hypoxia and congenital infection were present  
4 in the fetus for **more** than six weeks prior to birth?

5 A. I have no doubt that they were present.  
6 There's not the shadow of a doubt that they were  
7 present for longer.

8 Q. Okay.

9 A. The question that I think you're really  
10 asking me, if **you'll** forgive that I'm trying to read  
11 your mind, is, "Okay, so it was there, but was it  
12 clinically significant?" And that's what I was  
13 addressing.

14 Q. No. If I -- we agree that the chronic  
15 hypoxia and congenital infection are there longer than  
16 a month. If we put a time range on your opinion,  
17 would it be fair to say that, in your opinion, you  
18 think the chronic hypoxia and congenital infection  
19 were present from about two to three months before  
20 birth up until the moment of birth?

21 A. Well, let me be specific. Number one, I  
22 can't possibly be absolute. I don't know everything.

23 Q. More probable than not?

24 A. More probable than not. I think there's  
25 no question that the chorangiosis, specifically the

1 increased number of capillaries, were there for  
2 numerous weeks.

3 Q. All I want is the chronic hypoxia,  
4 congenital infection.

5 A. But let me just say that it's impossible  
6 for a person to judge the time at which a, quote,  
7 unquote, significant degree of hypoxia resultant from  
8 the chorangiosis would have occurred. Do you see what  
9 I'm getting at?

10 Q. But I just want a simple answer so we can  
11 get done before three o'clock.

12 A. Okay. So the simple answer is that there  
13 was hypoxia there for weeks beforehand associated with  
14 the chorangiosis.

15 Q. All right.

16 A. No ifs, no buts, no maybes. It was there  
17 for weeks. In terms of the --

18 Q. Congenital infection?

19 A. -- the congenital infection, for a lesser  
20 number of weeks, but also weeks. Neither of which,  
21 too, from my experience, are what I would call  
22 clinically symptomatic. In other words, I don't  
23 expect that the -- that the fetus is going to be  
24 kicking or struggling or doing things or that the  
25 mother would have a particular sign of that.

1 Q. If I summarize your opinion, you think  
2 the chronic hypoxia was there approximately two, three  
3 months before delivery, the congenital infection was  
4 there approximately one to two months before delivery?

5 A. Yes. I think infected chorangiosis was  
6 there for even longer than two to three months,

7 Q. All right. So if I understand you right,  
8 it is your opinion that the congenital infection was  
9 present in the fetus approximately one to two months  
10 before delivery, and the chronic hypoxia was present  
11 in the fetus approximately two to three months before  
12 delivery?

13 A. Probably. With enormous emphasis that,  
14 you know, I am -- I'm not so knowledgeable that I can  
15 guarantee whether it's, you know, ten weeks or is  
16 eleven or seven --

17 Q. Right.

18 a. -- but quite a while. And emphatically  
19 that this is not the sort of thing that is  
20 symptomatic, that the mother would have a particular  
21 sign of it.

22 Q. When we talk about "chronic," synonymous  
23 with that would be the word "long-standing"?

24 A. Yes.

25 Q. Would we use that term? Okay.

1           A.       Except I need to qualify that. I did  
2 refer to a publication where I used the word, for  
3 example, "chronic"; and in that I defined chronic  
4 as -- for the purpose of that specific study, as being  
5 in excess of 24 hours.

6           Q.       All right. For the purposes of this  
7 case, unless you correct me in a deposition, "chronic"  
8 and "long-standing" mean the same thing in the context  
9 of this case?

10          A.       No, because -- because I earlier  
11 mentioned a few minutes ago that, just from the  
12 meconium and the nucleated red blood cells and the  
13 fibrin in there, I would have thought this babe would  
14 have had neonatal asphyxia because of the  
15 epidemiologic study in which I participated. And in  
16 that study, we defined chronic as being more than 24  
17 hours.

18          Q.       All right. I mean apart from that.  
19 I'm not trying to trip you up.

20          A.       Okay. No, I understand. I'm just being  
21 fair to you

22          Q.       Yes.

23          A.       You know, because these are very  
24 difficult words as to what does one mean.

25          Q.       That's why I want to define them for you.

1           a.     Right.

2           Q.     so --

3           A.     So I would prefer if you would allow for  
4     us to separate those words. "Chronic" to me would  
5     mean more than 24 hours; "long-standing" would be many  
6     days.

7           Q.     All right. That would be different than  
8     "perinatal." So if we're talking about chronic  
9     asphyxia versus perinatal asphyxia, perinatal, can we  
10    agree in the context of this case, around the time of  
11    birth, say, maybe three or four hours before and  
12    after? Is that acceptable with you?

13          A.     Well, I'm delighted that you even raise  
14    this because it reaffirms my concern about semantics  
15    and words. You know, because I think that it's true  
16    that many people, when they use the word "perinatal,"  
17    include the first 28 to 30 days after delivery. So  
18    that's where I need to know, what you mean by  
19    "perinatal?"

20          Q.     Right. Why don't we do this. You're a  
21    doctor, you've talked with OB's before, you've talked  
22    with neonatologists before; correct?

23          A.     Yes. And that's why I seek clarification  
24    because often colleagues use it in a different sense,  
25    you know.

1           Q.     You work around a hospital and with  
2 medical people all the time?

3           A.     I sure do, and I have found that what one  
4 person says is "perinatal" is different from another.  
5 That's why I seek clarification.

6           Q.     In the context of this case, is your  
7 understanding of "perinatal" within a few hours before  
8 and after birth, approximately?

9           A,     I prefer to think of it as being -- no,  
10 as being maybe one or two days before the intrapartum  
11 experience and as much as a month after delivery.

12          Q.     Birth. All right. Now, you've read the  
13 chart in this case, the discharge summary by the  
14 neonatologist?

15          A.     Yes.

16          Q.     Is it your understanding that, when they  
17 talk about perinatal asphyxia, they're referring to 24  
18 hours before birth and 30 days after, when the babe  
19 was only in the hospital 15?

20          A.     I think that's a fair statement. Quite  
21 candidly, I think the more experienced the  
22 neonatologist, the more likely the person in  
23 communicating with another person would want to be  
24 assured of the same definition being used by each  
25 party.

1           Q.     All right. So when you read the  
2 discharge summary from the neonatologist and you saw  
3 on it the diagnosis of perinatal asphyxia, in your  
4 understanding of the term, you felt that to mean 24  
5 hours before birth, up to 30 days after birth?

6           A.     No. Earlier in this testimony, I  
7 believe, I said the opposite. That, you know, to me,  
8 even two or three days. In other words, putting the  
9 emphasis on prior to the intrapartum experience.

10                   I 'chink most people, when they use the  
11 word "perinatal," I think most people do not mean just  
12 the intrapartum delivery experience and a day or so  
13 afterwards. They mean a longer window of opportunity  
14 there, so to speak.

15           Q.     Okay. All I want to get is your  
16 understanding.

17           A.     And that is my understanding.

18           Q.     All right. It's kind of a long answer,  
19 so I'm not quite sure I followed it. Dr. Altshuler's  
20 understanding of the perinatal asphyxia noted in the  
21 discharge summary is that that asphyxia occurred two  
22 to three days before birth or up to 30 days after  
23 birth?

24           A.     The window would be anywhere from a few  
25 days before delivery to the several days after.



1 Q. All right.

2 A. And if the babe, of course, was diagnosed  
3 at the second day or the first day or the third day,  
4 whatever, but traditionally it means within the first  
5 month.

6 Q. All right. Now, you read the neonatal  
7 discharge summary. Okay?

8 A. Uh-huh.

9 Q. Is it your understanding that, when they  
10 diagnosed perinatal asphyxia, they meant that that  
11 asphyxia occurred approximately four, five, six hours  
12 before delivery, in that time period?

13 A. Absolutely not. I would never -- that's  
14 why I've gone to such length in my answer.

15 Q. All right.

16 A. I would never assume anything without  
17 asking them, "What do you guys mean?" or, "What do you  
18 ladies mean?"

19 Q. All right. But I've got to find out what  
20 you're assuming they mean.

21 A. That's why I gave you a long answer  
22 because the long answer, when you reread it, will be  
23 to explain to you I think it's extremely important to  
24 ask people exactly what they mean because there is  
25 this conflict of definition from one person to

1 another.

2 Q. So Dr. Altshuler's understanding of what  
3 they were talking about when they said perinatal  
4 asphyxia was they were referring to a time period a  
5 few days before delivery and up to 30 days or more  
6 after delivery; correct?

7 A. For all practical purposes, yes.

8 Q. All right. Now, I want you to assume  
9 that they were talking about a time period three to  
10 four to five hours before delivery is when the  
11 perinatal asphyxia occurred. In other words, three to  
12 four hours before delivery and up to the moment of  
13 delivery. If that is the time period they are talking  
14 about, do your conclusions about fetal disease being  
15 responsible for the outcome of the pregnancy, are they  
16 supported by that discharge summary?

17 A. Sure they are, because, you know, we've  
18 spent a long time thus far explaining terms, and I  
19 believe that it is totally supported.

20 Q. All right. So that I understand you  
21 right, when you say that your final conclusions in  
22 your two expert reports are supported by the chart,  
23 you mean that, even if the chart is taken to mean  
24 perinatal asphyxia occurred during a five-hour or so  
25 period before birth, that supports your two opinions

1 and your two expert reports?

2 a. Yeah, because I need to be sure of  
3 semantics, that there's no misunderstanding here. The  
4 point that I have made has been that there has been  
5 long-standing hypoxia. At no time did I say that the  
6 babe three hours beforehand or during the intrapartum  
7 experience had a completely normal oxygen level.

8 MR. PROCHASKA: He said -- and I don't  
9 know if you got a **yes** down or not. Did you get a yes  
10 at the beginning of his answer?

11 (Whereupon, the Court Reporter read back  
12 the material requested by counsel.)

13 BY MR. PROCHASKA:

14 Q. All right. Now, you -- do you have an  
15 opinion as to how long the brain damage was present in  
16 the fetus before birth? And give me one to two  
17 months, two to three. You don't have to tie yourself  
18 down with a date, but give me a range. If you have no  
19 opinion, that's fine too.

20 A. In my opinion, it would have been many  
21 days.

22 Q. All right. It is your opinion that the  
23 brain damage happened many days before birth; correct?

24 A. Yes.

25 Q. All right. Now, does that mean that the

1 brain damage, in your opinion, happened two to three  
2 months before birth?

3 A. Well, depends upon what you mean by  
4 "damage." Are you talking symptomatic clinically  
5 overt damage or subclinical damage? What do you mean?

6 Q. When the brain cells suffered injury,  
7 permanent injury, did that occur beginning two to  
8 three months before delivery?

9 A. I doubt that.

10 Q. Okay. One to two months before delivery?

11 A. I doubt that.

12 Q. All right. Two to four weeks before  
13 delivery?

14 A. Possible.

15 Q. All right. And it's probable that it  
16 happened three to four to five days before delivery?

17 A. Oh, I think that definitely it would have  
18 been there for four or five days before delivery,  
19 definitely.

20 Q. So it's in your opinion more probable  
21 than not that the brain damage began to occur four to  
22 five or six days before delivery but not two to four  
23 weeks before delivery, more probable than not?

24 MR. RATHER: I'm going to object to the  
25 form of the question. It's misinterpreting his

1 answer.

2 THE WITNESS: The prospective that I'm  
3 trying to convey is that I'm convinced that there was  
4 significant brain damage several days before the  
5 actual intrapartum delivery.

6 Q. All right.

7 A. And I have insisted that I can't -- I  
8 can't claim that there would have been serious, major  
9 damage three months before, but I can claim that the  
10 pathologic processes that were evolving were present  
11 at that time.

12 Q. So it's your opinion that, between three  
13 to five days before birth, the brain damage began, and  
14 that that was a result of what was set in motion by  
15 congenital infection and chronic hypoxia?

16 A. No. You have inadvertently misquoted me.  
17 The clinically significant brain damage occurred. The  
18 brain damage was there a lot earlier than that, but I  
19 believe that three to five days beforehand it would  
20 have been clinically significant to the point that, if  
21 there would have been a cesarean section, for example,  
22 the damage would have been done, or in the Oklahoma  
23 expression, the horse was already out of the stable.

24 Q. Well then, in the Oklahoma expression,  
25 Doctor, when was the horse already out of the stable,

1 meaning when was the damage done so that, if delivery  
2 was affected one, two, four, five six, seven weeks  
3 before delivery, we would have had a brain damaged  
4 baby?

5 A. But you see, I said that already by  
6 inserting the word clinically significant brain damage  
7 three to five days beforehand.

8 Q. Okay.

9 A. At least that's my opinion.

10 Q. I think you're going back and forth on  
11 me, Doctor. That's why I'm having trouble with you,  
12 okay. All I want to know is then when was clinically  
13 significant brain damage done in this baby? Three to  
14 four to five days before delivery, is that your  
15 testimony?

16 A. What I'm saying is that, if this babe  
17 would have been delivered three to five days  
18 beforehand, that I believe that the babe would have  
19 had substantial neuro-developmental disease. What I'm  
20 saying is that 13 to 15 days earlier there was  
21 significant brain disease, but I doubt that it  
22 necessarily would have been as devastating in the  
23 clinical sense.

24 a. Okay. Let's take it back two to four  
25 weeks before delivery. Would this babe, if delivered

1 then, have had brain damage?

2 A. I believe I've already answered that I  
3 can't possibly give you a truthful opinion there.

4 Q. All right. So all we know is it's your  
5 opinion the brain damage was significant three to five  
6 days before birth, there may have been some there up  
7 to two weeks before birth, and beyond that you're  
8 unable to express an opinion?

9 A. No. This is the third time that I've  
10 requested you to insert the word, you know, clinically  
11 significant symptomatic overt. In other words, the  
12 damage two months earlier was significant, quote,  
13 unquote. The question is, would it have been  
14 manifested as a later cerebral palsy or mental  
15 retardation or other neuro-developmental disease.

16 Q. And what's your answer to that?

17 A. And what I've told you already, that I  
18 don't know whether there would have been obvious  
19 clinically diagnosable damage in the babe had the babe  
20 been delivered three or four weeks earlier.

21 Q. All right. So if I understand your  
22 answer, if we're talking about clinical brain damage,  
23 as indicated by eventual cerebral palsy and mental  
24 retardation, it's your opinion that that type of brain  
25 damage would have occurred within three to four to

1 five days before delivery, perhaps up to two weeks  
2 before delivery, but beyond that, you don't think so?

3 A. That's right. And I want to change the  
4 word "occur." I mean, would have been symptomatically  
5 obvious. I mean, what I've been emphasizing is you  
6 have an ongoing evolution here.

7 Q. All right. Let me rephrase it so I'm  
8 fair to you. Maybe I just didn't understand you, but  
9 I'm not trying to argue with you. It's Dr.  
10 Altshuler's testimony that clinical brain damage, as  
11 indicated by eventual CP and mental retardation, was  
12 present three to five days before birth more probable  
13 than not, may have been present up to two weeks before  
14 birth, but you are unable to express an opinion if it  
15 was present more than two weeks before birth?

16 A. That is true.

17 Q. All right. So in terms of what's based  
18 on a reasonable medical certainty or more probable  
19 than not, your opinion would have to be that, based on  
20 a reasonable medical certainty, you think clinical  
21 brain damage was present three to five days before  
22 birth?

23 A. Anywhere from three to five days to three  
24 weeks or five weeks, you know, that sort of thing.

25 Q. You just changed your answer on me from



1 previously.

2 A. Well, what I'm getting at is -- and this  
3 is why it's so difficult in the areas that we're  
4 discussing -- having told you that, in my opinion, the  
5 chorangiosis was there for, you know, three or four  
6 months -- okay? -- let's get the prospective. Having  
7 told you that, in my opinion, there was a chronic lack  
8 of oxygen that caused the chorangiosis at least three  
9 to four months earlier --

10 Q. Uh-huh.

11 A. -- the prospective that I'm trying to  
12 give you is that I can't possibly tell you when the  
13 clinically critical damage was overtly out in the  
14 open.

15 My sense is it was anywhere from three to  
16 five days. I'd give it maximum five weeks. And I  
17 told you earlier that I'm very much dependent on  
18 anthropometric data. And, you know, to get right to  
19 it, based upon the anthropometric data, I'd go as much  
20 as five weeks, but I couldn't go beyond that.

21 Q. It is Dr. Altshuler's opinion that, if a  
22 cesarean section was done five hours earlier, there  
23 would have already been present clinical brain damage,  
24 as defined by eventual CP and severe mental  
25 retardation?

1           A.       That is my opinion.

2           Q.       All right. And it is also your opinion  
3 therefore that the asphyxial episode causing the brain  
4 damage did not occur in the approximate five hour  
5 period before delivery, but it occurred for many days  
6 and weeks before that and up until the moment of  
7 birth?

8           A.       That is my opinion.

9           Q.       All right. So that, for example, if the  
10 neonatologists testified that the asphyxial episode  
11 causing the brain damage occurred in an approximate  
12 five-hour period or so before delivery, then you would  
13 be -- you would be at difference with their opinions?

14          A.       That is true.

15          Q.       If the pediatric neurologists testified  
16 that the brain damage occurred in an approximate  
17 five-hour period before delivery, you would be at  
18 difference with their opinions?

19          A.       That is true.

20          Q.       And if the treating pediatricians, the  
21 treating neonatologists, and treating pediatric  
22 neurologists all opined that the brain damage and  
23 damaging asphyxial episode occurred in the approximate  
24 five hours before delivery, you would be at difference  
25 with all of their opinions?

1           A.     That also is true.

2           a.     Okay. Now, you did your first report,  
3 you looked at the chart, you made your second report.  
4 It's fair to say that in no way did the chart cause  
5 you to think your first report was in error?

6           A.     Yes.

7           Q.     All right. Now, if the treating  
8 neonatologists and pediatric neurologists felt that  
9 the damaging asphyxial episode and brain damage did  
10 occur only in the five or so hour period before  
11 delivery, then would that understanding be different  
12 than the final conclusions on your two expert reports?

13          A.     No. I think that we're talking at cross  
14 purposes. There's nothing that I read in the chart,  
15 you know, from the so-called treating physicians or  
16 neurologist consultant -- I think his name was Dr.  
17 Svoboda was the neurologist -- that makes me in any  
18 way feel that my initial opinion was wrong or that my  
19 present opinion is wrong.

20          Q.     Okay. So all I'm getting at then is, if  
21 the proper understanding of the chart is that the  
22 damaging asphyxial episode and brain damage occurred  
23 in the approximate five hours before delivery, if  
24 those are the proper understandings of the chart, they  
25 would differ from your final conclusion in your two

1 expert reports?

2 A. No. I mean, the point is, the  
3 information in the chart was there. Whether or not  
4 Dr. Svoboda interpreted it in terms of the  
5 clinico-pathological meaning in what I would consider  
6 to be the correct way is what we're here discussing.

7 In other words, my understanding of what  
8 I read in the chart, in terms of the laboratory  
9 results, is completely in accord with my initial  
10 opinion and my final opinion. And clearly, Dr.  
11 Svoboda and I have a departure from what we consider  
12 to be the ultimate truth.

13 Q. Okay. If the treating neonatologists and  
14 pediatric neurologists meant by their statements in  
15 the records that the brain damage occurred in the five  
16 hours before delivery and the damaging asphyxial  
17 episode occurred in the five hours or so before  
18 delivery, then their conclusions that the damaging  
19 asphyxial episode and brain damage occurred in the  
20 approximate five hours before delivery would be  
21 different than the conclusions you have reached in  
22 your two expert reports?

23 A. That is assuming -- that is assuming that  
24 they could swear in a court of law that they felt that  
25 there was absolutely no evidence whatsoever of a

1     compromised fetus prior to five hours before delivery.  
2     And there's nothing in what I read there that makes me  
3     convinced that they could back that up with scientific  
4     or other information.

5             Q.     Okay.

6             A.     Please read my question back again.

7                     (Whereupon, the Court Reporter read back  
8     the material requested by counsel.)

9     BY MR. PROCEIASKA:

10            Q.     Okay. Now, is your answer yes?

11            A.     It can't possibly be because I don't know  
12     whether you mean that they are claiming that the  
13     damage occurred exclusively within that time frame.  
14     What I'm saying is, when I read the chart, I couldn't  
15     see anything there that -- that I felt excluded the  
16     considerations that I was saying that there had been  
17     damage earlier.

18            Q.     All right. Well, Doctor, you and me can  
19     argue about what the chart means all day long, right?

20            A.     Right, right, right.

21            Q.     So let's get away from all that and let  
22     me give you a hypothetical as to what it means and see  
23     if you agree with it. Okay?

24            A.     Okay.

25            Q.     If what the treating neonatologists and

1 pediatric neurologists mean is that the damaging  
2 asphyxial episode occurred in the approximate five  
3 hours before delivery and that that's the same time  
4 period when the brain damage occurred, then would  
5 their conclusions, under that hypothetical, be  
6 different than the conclusions you've expressed in  
7 your two expert reports?

8 A. They would be different.

9 Q. Okay.

10 A. Now, you have testified that you thin  
11 there was a fetal disease in the form of chronic  
12 hypoxia and congenital infection. Can I ask you why,  
13 in either of your two expert reports, you never  
14 mentioned in your conclusion that you felt it was  
15 chronic hypoxia or congenital infection? Why don't  
16 you use those two phrases anywhere in your  
17 conclusions?

18 A. Well, I think it's obvious. I mean, it's  
19 all in the report. If you look at -- if you look in  
20 the report, you'll see that I've described the  
22 abnormalities. We're talking now, the first report,  
22 I've described the abnormalities, I've taken  
23 photographs of them, I've literally discussed  
24 chorangiosis, the signs of chronic intrauterine  
25 infection, the chronic meconium effects, fetal

1     nucleated red cells, I've provided to you a slew of  
2     publications under my pen.

3                 I mean, it ought to be obvious that --  
4     that I don't think that these things are good things  
5     to have, but rather I think they're very bad things to  
6     have and that they represent long-standing and/or  
7     chronic disease.

8                 Q.     Would you agree with me that fetal  
9     disease is a very generic term that can encompass many  
10    different types of diseases, including infections and  
11    hypoxic problems?

12                A.     I agree. That's exactly why I gave you  
13    my reprint so you'll know exactly what I mean when I  
14    use these terms.

15                Q.     Would you agree with me that you used  
16    that general term instead of specifically using the  
17    term "congenital infection," quote, unquote, or  
18    "chronic hypoxia," quote, unquote, in the conclusion  
19    portion of your reports?

20                MR. RATNER: I'm going to object to the  
21    form of the question. You're quibbling about words,  
22    and you're the one that brought up congenital and  
23    defined it.

24                THE WITNESS: I think that we're game  
25    playing. You know, sort of two folks pulling on the

1 tail of an elephant.

2 BY MR. PROCNASKA:

3 Q. Just answer that question. Let me have a  
4 readback to you.

5 MR. PRQCHASKA: Go ahead and read it  
6 back.

7 (Whereupon, the Court Reporter read back  
8 the material requested by counsel.)

9 BY MR. PRQCHASKA:

10 Q. . Agreed?

11 A. I would strongly disagree because I think  
12 you're taking it totally out of context. If you will  
13 look -- and I will refer you again to the conclusion  
14 of the March 24, 1991. It immediately follows five  
15 major categorizations or definitions as to why I make  
16 the final statement or conclusion.

17 Item one is chorangiosis, which, in my  
18 publications and which I've told you today in  
19 discovery deposition, relates to chronic hypoxia.

20 Number two is chronic intrauterine  
21 infection. I couldn't be more specific.

22 Q. Okay. You couldn't be more specific than  
23 using the term fetal disease?

24 A. Well, I think that, you know, we can be  
25 here unnecessarily long, you know. I'm telling that



1 I've given you five interpretive sections which  
2 include hypoxia, which include infection in the first  
3 report alone, let alone other things.

4 Q. Okay. Doctor, maybe the problem we're  
5 having here is I'm not really good with your  
6 terminology of placental pathologists. And if that's  
7 the problem we're having, I want to apologize. Okay?

8 A. Well, I accept your apology because I  
9 think you're a decent guy.

10 Q. All right. Well, you are too, and I --  
11 sometimes I think I understand what you're talking  
12 about, and then five minutes later I find out I  
13 didn't. So with that in mind, I apologize.

14 A. Okay.

15 Q. Now, is it true that there can be  
16 congenital infections that don't cause brain damage?

17 A. Yes.

18 Q. Is it true that there can be chronic  
19 hypoxia, that is, of not of sufficient severity that  
20 it doesn't cause brain damage?

21 A. It depends -- incidentally, when you use  
22 a term, please define. Do you mean infection in the  
23 placenta or hypoxia in the placenta, or do you mean it  
24 upon the cells of the brain?

25 Q. Both.

1           A.       Well, clearly, which was the intent of my  
2       answer, if the infection is confined to the placenta  
3       and if it's not in the brain cells, then you don't  
4       have to have brain damage.

5           Q.       Okay.

6           A.       If the hypoxia is severe around the brain  
7       cell, then you're going to have a lot of damage.

8           Q.       Okay. So with those definitions of  
9       congenital infection and chronic hypoxia, if -- is it  
10      true that you can have chronic hypoxia that is not so  
11      significant that you can have a normal baby?

12          A.       Yes.

13          Q.       Is it true that you can have congenital  
14      infections, the infection being of the type and  
15      severity that it can occur without the baby being born  
16      with brain damage?

17          A.       In respect of the caveat that I injected,  
18      yes.

19          Q.       All right. Is it also true then that one  
20      can have abnormal placental findings and not have a  
21      baby that has brain damage from the abnormal placental  
22      findings?

23          A.       That is absolutely true.

24          Q.       All right. So what we're saying is: The  
25      mere fact of finding placental abnormalities does not

1 mean they caused the brain damage?

2 A. I agree with you 100 percent.

3 Q. The mere fact of finding evidence that  
4 the baby was infected, it doesn't mean it caused brain  
5 damage?

6 A. Now, I am -- for the record -- and I  
7 think this is extremely important -- if you are saying  
8 in general that it's true, yes. But if you are  
9 specifying the specific, highly specific,  
10 abnormalities that we are discussing in this case,  
11 then it's not true, Because specifically -- and I  
12 have to restate so there's no risk of one of your  
13 colleagues taking me out of context when they read  
14 what I've said -- if you have meconium, if you have  
15 nucleated red blood cells, if you have intimal fibrin  
16 cushions, if you have avascular villi, if you have  
17 chorangiosis specifically, then even independently  
18 alone those factors strongly are associated with  
19 clinical diagnosis of neonatal asphyxia. And that's  
20 why I have given you one of my papers which gives all  
21 the data to substantiate my claim.

22 Q. So generally speaking, a fetus can have  
23 an infection, it can have some subsignificant chronic  
24 hypoxia and still be born normal, generally speaking?

25 A. If you would say that the fetal placenta

1     could have that, that would be true.

2             Q.     Okay.

3             A.     But if you have, quote, unquote,  
4     infection substantially present in critical organs of  
5     the body, as a matter of common sense, that would be  
6     unlikely.

7             Q.     All right. All I'm getting at is this  
8     fetus -- it's possible for this fetus or any fetus to  
9     have infection, to have chronic hypoxia, both of those  
10    entities can be of -- be in mild enough form that the  
11    baby can be born normal?

12            A.     I think we have to put things in the  
13    context of this case.

14            Q.     All right. Well, let me ask that in  
15    general principle. Generally speaking, that can be  
16    true; correct?

17            A.     If the amount of fetal infection is  
18    strongly localized, for example, to the placenta, only  
19    then would it be true. But if it has transmitted  
20    across into sundry critical fetal organs, that it  
21    would be very unlikely.

22            Q.     All right. Now -- but you do recognize  
23    that not all infections that a fetus gets causes  
24    severe brain damage; agreed?

25            A.     It depends upon the time of acquisition

1 of those infections.

2 Q. And the type of infection?

3 A. Exactly. And that's why I keep on  
4 forcing you back to discuss the case in hand.

5 Q. All I'm getting you to admit is that you  
6 may have a type of infection that's mild enough and  
7 the germ may be of the type that the babe can have the  
8 infection and not suffer brain damage. Agreed?

9 A. I would agree if it would be organisms  
10 other than what obviously would have been present in  
11 this case.

12 Q. All right. Now, would you agree with me  
13 that the slides don't tell us the effect the  
14 placenta's going -- let me rephrase that. Is it  
15 your -- is it your feeling, as a pathologist, that  
16 your placenta slides can prove the pediatric  
17 neurologist and treating neonatologist's opinions  
18 wrong?

19 A. If you're talking about Dr. Svoboda's  
20 opinion --

21 Q. Yes.

22 A. -- I believe strongly that my slides  
23 prove that he is wrong.

24 Q. Okay. And now if we're talking about the  
25 treating neonatologist's opinions, assuming they are

1 the same as Dr. Svoboda's, is it your opinion your  
2 slides prove them wrong also?

3 A. If we could assume that they're the same,  
4 yes.

5 Q. All right. Would you agree that, when  
6 you look at slides, you cannot tell from your review  
7 of the slides if the baby is going to be born with or  
8 without perinatal asphyxia?

9 A. I can tell probabilities, and we've  
10 discussed that already. I've told you already that,  
11 if you would refer to the data that I've provided to  
12 you, that you have a very high probability rather than  
13 a marginal probability of being correct just from  
14 looking at the center, if you know how to interpret  
15 it.

16 Q. All I'm getting at is you can look at the  
17 placenta slides, you may think that the baby has  
18 congenital infection, and for all you know the babe  
19 may be born without it. That can happen, can it not,  
20 sir?

21 A. No. Because what we're talking about is  
22 clinically provable infection. I mean, the fact that  
23 somebody has not reported the presence of mycoplasma  
24 does not mean that mycoplasma is not present. It just  
25 means that they didn't test for it in a good lab.

1                   So what I'm saying is, please understand  
2 my need for precision of language here in  
3 communication. You know, just because they didn't  
4 identify an infection does not deny the existence of  
5 the infection.

6                   (Whereupon, an off-the-record discussion  
7 was had.)

8 BY MR. PROCHASKA:

9                   Q.     You'll agree with me, Doctor, that, by  
10 looking at the slides in this case, you can't tell me  
11 what the pH of the baby's going to be?

12                  A.     I agree.

13                  Q.     You can't tell me if the baby's going to  
14 have seizures within 24 hours of birth?

15                  A.     I agree.

16                  Q.     You can't tell me what the MRI OR CAT  
17 scan results are going to show?

18                  A.     I agree.

19                  Q.     You can't tell me if the baby is going to  
20 have brain swelling or not?

21                  A.     I agree.

22                  Q.     You can't tell me if the baby is going to  
23 have a base excess?

24                  A.     I agree. I can only give you  
25 probabilities as to abnormalities of the spectrum of

1 those rather than the presence or absence of  
2 individual items.

3 Q. You can't tell me if the babe's going to  
4 have abnormal EEG's?

5 A. Exactly.

6 Q. My point being, Doctor, you can't tell me  
7 by looking at the placenta if the baby is going to  
8 have all of those signs of perinatal asphyxia, can  
9 you?

10 A. No more or less than whether I can tell  
11 that a highly malignant tumor is going to kill  
12 somebody in a year or two.

13 Q. My point being, Doctor, that the baby has  
14 to be born and exhibit clinical signs and symptoms and  
15 test results before the diagnosis of clinical  
16 perinatal asphyxia can be made. Agree with me?

17 A. Not entirely.

18 Q. All right. If your placental pathology  
19 reports -- when you look at your placental pathology  
20 slides, did you expect this baby to be born with  
21 perinatal asphyxia?

22 A. Yes. I've said that repetitiously.

23 Q. If this baby did not have any signs or  
24 symptoms whatsoever of perinatal asphyxia, clinically,  
25 then that would prove that your assumptions, based



1 upon the placental pathology slides, would have been  
2 wrong, agreed?

3 A. Yes.

4 Q. All I'm saying is, the final decision of  
5 whether your slides are right or wrong rests upon what  
6 we see clinically in the baby, agreed?

7 A. If you include laboratory results.

8 Q. All right. Agreed?

9 A. And if you include the question of who is  
10 interpreting the laboratory results.

11 Q. All right. My point being, Doctor, if  
12 this baby, if this newborn, did not have an infection  
13 present in its system, clinically or subclinically,  
14 then that would be indicative that your interpretation  
15 of congenital infection was wrong, agreed?

16 A. No, absolutely not. I've explained to  
17 you already, if they didn't do the test to prove it,  
18 they couldn't possibly say that I was wrong.

19 Q. Let me put it to you this way. If all of  
20 the tests that were done or could have been done had  
21 showed no infection in this newborn, that would prove  
22 your opinion about congenital infections wrong,  
23 wouldn't it?

24 A. If all of the tests that could be done  
25 would be done and would be negative, which is a huge

1 hypothesis because there were an enormous number of  
2 tests that weren't done, then that would be true.

3 Q. And all I'm getting at, Doctor, is the  
4 only way that we know if your two opinions are right  
5 or wrong is to look at the babe, do the proper tests,  
6 procedures, clinical exam, and see if it is compatible  
7 with your two opinions; correct?

8 A. Yes.

9 Q. All right. Now, do you diagnose and  
10 treat newborns?

11 A. I advise neonatologists. In that  
12 context, I vicariously or secondarily, in a secondary  
13 role, am involved in the prospective management.

14 Q. In the last ten years, have you ever been  
15 the main attending physician treating and diagnosing  
16 the newborn?

17 A. Of course not.

18 Q. All right. In the last 15 years?

19 A. Of course not.

20 Q. In the last 20 years?

21 A. No.

22 Q. All right. You are not the expert in  
23 taking care of newborns, are you, sir?

24 A. No. I'm the consultant.

25 Q. All right. And so we understand each

1 other, you are not the expert in making a clinical  
2 determination of perinatal asphyxia?

3 A. That's correct,

4 Q. All right. So if I went down a list of  
5 15 to 20 signs and symptoms and test results of  
6 perinatal asphyxia, going down that list, we'd be  
7 asking you questions outside your area of expertise,  
8 agreed?

9 A. Not entirely. It depends upon the  
10 question.

11 Q. Well, if we talk about is an irritable  
12 baby, a baby with no Moro reflex, a weak grasp, a head  
13 lag, poor respiratory effort, no spontaneous  
14 respirations, esotropia, metabolic acidosis, seizures  
15 within 24 hours, EEG's that are abnormal, depressed  
16 baby, a baby with an Apgar of 2, a hypotonic baby, a  
17 lethargic baby, a difficult-to-feed baby, poor tone  
18 when stimulated, apnea, and poor suck, a lab of 7.6 at  
19 one hour, creatinine at 1.7 at one hour.

20 If I gave you that entire list of signs  
21 and symptoms and lab results and asked you about  
22 making a diagnosis off of that list, would you agree  
23 with me that is something in the expertise of a  
24 neonatologist or pediatric neurologist, but not a  
25 placental pathologist?

1           A.       Oh, I would disagree. I think that we're  
2       dealing, again, with semantics. I will not represent  
3       myself, not ever, to be a hands-on **expert** in the  
4       clinical care of the fetus or the newborn babe.

5                    That doesn't mean to say that I'm not  
6       knowledgeable, and it doesn't mean to say that  
7       neonatologist colleagues do not expect me to be able  
8       to have dialogue with them about the final diagnosis  
9       based upon my understanding of what all of those  
10      things mean.

11           Q.       My question though, Doctor, is: Are you  
12      ever the treating physician involved in observing and  
13      analyzing all of the signs and symptoms in the lab of  
14      that list I just gave you and making the diagnosis as  
15      a treating physician?

16           A.       That question has been asked and answered  
17      in the context of about five minutes ago.

18           Q.       You recognize that, if you were the one  
19      involved in making the diagnosis and treatment, that  
20      would be inappropriate for you because you're not  
21      trained and experienced in that area; correct, sir?

22           A.       It is correct that my role is to function  
23      as a consultant, not as the hands-on person managing  
24      the baby.

25           Q.       Would you agree with me that the

1 neonatologists and the pediatric neurologist who  
2 treated this child have more knowledge, expertise, and  
3 experience than you in diagnosing and treating  
4 problems of the immediate newborn?

5 A. Yes, which is not an endorsement that  
6 they're always going to be correct or else there'd be  
7 no role for a pathologist in medicine.

8 Q. Are you testifying today that the  
9 diagnosis of the treating neonatologists and pediatric  
10 neurologists are wrong?

11 A. Well, I don't want to be taken out of  
12 context. I'm just saying, if you are claiming that  
13 they are denying disease of the fetus prior to the  
14 intrapartum period, then I would claim that they are  
15 wrong.

16 Q. Okay. Now, if they are saying the  
17 perinatal asphyxia only occurred in the approximate  
18 five hours before birth, then you are also saying they  
19 are wrong?

20 A. That's another question honestly that's  
21 been asked and answered. You did ask that before.

22 Q. A little bit different. But you are  
23 saying they're wrong on that question also?

24 A. Absolutely, absolutely.

25 Q. All right. Now, you are not an expert in

1     treating and diagnosing infections in newborns, are  
2     you, sir?

3             A.     I am not.

4             Q.     All right.  You are not an expert in  
5     looking at MRI's or CAT scans?

6             A.     I am not.

7             Q.     Will you defer to the opinions of the  
8     persons who look **at** the CAT scans and MRI's in this  
9     case?

10            A.     Yes.

11            Q.     You are not an expert in OB/GYN?

12            A.     You are correct.

13            Q.     You are not an expert in pediatrics?

14            A.     Honestly, you did ask these questions  
15     before, and I answered them before.

16            Q.     Okay.  If a pediatrician, who has  
17     expertise in diagnosing and treating newborns for  
18     congenital infections, opines that this newborn did  
19     not have congenital infection as a cause of her brain  
20     damage, is it your testimony that their opinion is  
21     wrong?

22            A.     Depends upon the expertise of the person.  
23     If you're talking somebody like Dr. Charles Alford  
24     from Alabama on the subject, of course I'll defer to  
25     him.  But if you're asking me nine out of ten board

1 certified neonatologists and their knowledge of  
2 chronic intrauterine infections, it's on the cards  
3 that I would not defer to them. It depends upon their  
4 background and their training, whether they were  
5 trained specifically in infectious diseases of the  
6 fetus and newborn or whether they got their boards in  
7 neonatology never having had that highly specialized  
8 training.

9 Q. Let's just assume that the pediatricians  
10 and neonatologists and pediatric neurologists are all  
11 competent. Okay? If they all say that this newborn  
12 did not have congenital infection as a cause of her  
13 brain damage, is it your testimony that they are all  
14 wrong?

15 A. Absolutely, yes, for the reasons I just  
16 gave you. They can be competent, number one; They  
17 can be board certified, number two; they can satisfy  
18 standards of care, number three, but be not quite as  
19 knowledgeable of the clinical signs and symptoms and  
20 laboratory tests of infection as would be a  
21 pathologist much of whose research has been done in  
22 the area of infections of the fetus and newborn.

23 Q. Do you recall seeing anywhere in the  
24 chart where anyone diagnosed or came to the conclusion  
25 that this newborn suffered a congenital infection as

1 the cause of her brain damage?

2 A. No. I saw that there was an IgM of 26,  
3 as I recall; but I don't believe anybody proceeded to  
4 interpret what it might mean.

5 Q. Okay. Do you agree that nowhere in the  
6 chart did any treating physician diagnose or opine  
7 that chronic hypoxia caused the brain damage?

8 A. I believe that's true. I can't swear  
9 that on the Bible because I -- it's a long time since  
10 I read physically the chart. But I believe what  
11 you've said is true.

12 Q. All right. Of all of the treating  
13 physicians who failed to make those two diagnoses or  
14 opinions anywhere in the chart in the entire history  
15 of the life of this child, is it your testimony that  
16 all of those treating physicians missed the diagnosis  
17 of chronic hypoxia and congenital infection as a cause  
18 of the brain damage?

19 A. Absolutely, yes.

20 Q. In the last 20 years, have you attended  
21 any seminars -- let me rephrase that. You lecture  
22 anybody on the treatment and diagnosis of congenital  
23 infections in newborns?

24 A. In a limited sense, yes.

25 Q. All right. Do you have books on



1     pediatrics in your office?

2             A.     Oh, I have an enormous number of books.

3             Q.     All right. Do you have books on  
4     pediatrics?

5             A.     Yes.

6             Q.     All right. Do you have books on  
7     congenital infections?

8             A.     Yes.

9             Q.     Wave you authored any of them?

10            A.     I've authored placental chapters to do  
11     with infections.

12            Q.     Okay.

13            A.     And within that, you know, certainly I've  
14     had interaction with infectious diseases experts. I  
15     have also done collaborative research with the head of  
16     infectious diseases section of the National Institutes  
17     of Neurologic Diseases and Stroke.

18            Q.     You're a well published individual, book  
19     chapters, articles; correct, sir?

20            A.     I think that it's adequate publication.  
21     I mean, depends upon compared to what. Compared to  
22     Dr. Benirschke, it's minuscule.

23            Q.     Okay. But my question is, sir: Have you  
24     written any book chapters or articles on the diagnosis  
25     and treatment of congenital infections in newborns?

1           A.     Primarily from the point of view of the  
2     use of the placenta. But of course, it interacts with  
3     the rest.

4           Q.     Right. I mean specifically directed to  
5     the diagnosis and treatment of congenital infections  
6     in newborns and in children, have you written any  
7     articles or book chapters?

8           A.     Not in general, only specific.

9           Q.     Has anybody ever asked you or requested  
10    of you to write those articles in their book?

11          A.     No. I'm usually asked to do things  
12    collaboratively.

13          Q.     All right. Would you agree with me, sir,  
14    that your area of expertise is clearly not in the  
15    field of diagnosing and treating congenital infections  
16    in newborns and in children?

17          A.     Well, you know, I think that you're  
18    playing with words here. If you are saying that the  
19    placenta has no role in their diagnosis, then you're  
20    wrong. If you're asking me have I published in the  
21    general area of clinical pediatric infections, I have  
22    repetitiously said to you that's not my area and of  
23    course I've not published on it.

24          Q.     You when you say if I'm asking, Doctor,  
25    all you've got to do is listen to my question and

1 you'll know what I'm asking.

2 MR. PROCHASKA: Please read the question  
3 back. See if you can give me a yes answer to that.

4 (Whereupon, the Court Reporter read back  
5 the material requested by counsel.)

6 THE WITNESS: It's been asked and  
7 answered. In other words, I've given you the answer  
8 to that question in the preceding answer that I gave  
9 you.

10 BY MR. PROCHASKA:

11 Q. The answer is you're not such an expert,  
12 are you, sir?

13 A. The answer is that I am not an expert in  
14 clinical hands-on management of babes who are  
15 suspected of having infection, underlining the word  
16 "clinical."

17 Q. Now, can treating neonatologists and  
18 pediatric neurologists make accurate diagnoses of  
19 perinatal asphyxia without ever looking at placental  
20 pathology slides?

21 A. Yes.

22 Q. And they can be accurate in their  
23 diagnosis; correct?

24 A. Yes.

25 a. In other words, the treating

1 neonatologists and pediatric neurologists in this case  
2 can make the diagnosis of perinatal asphyxia based  
3 upon the clinical signs, symptoms, and lab reports;  
4 agreed?

5 A. Yes.

6 Q. That's what they do all the time, isn't  
7 it?

8 A. I agree.

9 Q. When you do your placental pathology  
10 reports -- correct me if I'm wrong, Doctor -- but you  
11 don't put in your pathology reports, "This babe is  
12 going to be born with perinatal asphyxia"? You never  
13 make those reports, do you, sir?

14 A. Not on paper.

15 Q. All right. You just report the abnormal  
16 findings that you see, but you don't predict the  
17 diagnosis the babe is going to have and put it in  
18 writing and submit it to the hospital chart, do you,  
19 sir?

20 A. Certainly not on paper for obvious  
21 reasons.

22 Q. But you do when you submit those opinions  
23 in oral form when you are giving testimony?

24 A. What I mean clearly is that  
25 neonatologists frequently discuss cases with me

1 prospectively. And I will tell them much more orally  
2 than I will put down on paper.

3 Q. Can you diagnose whether or not this  
4 child suffered from fetal distress two, three hours  
5 before delivery just by looking at your slides?

6 A. That's been asked and answered already.  
7 And I've referred you to the paper that has all of the  
8 data that's -- I'll give you an exhibit number if you  
9 want.

10 Q. That's all right. Doctor, I don't think  
11 I used the term fetal distress before in this  
12 deposition. All I'm trying to get at is, when you  
13 looked at the slides in this case, the slides don't  
14 tell you if this child, this fetus, had fetal distress  
15 as indicated by persistent lates and poor variability,  
16 do they? That kind of information is not presented to  
17 you just by review of the slides, is it?

18 A. I think we're playing with semantics  
19 again in the sense that -- now, just hold on a second.  
20 You asked the question, let me answer it. I've told  
21 you already that, when I see meconium, when I see  
22 nucleated red blood cells, when I see thrombotic  
23 lesions, I use the same degree of probability that the  
24 clinicians do. The clinicians can't say that just  
25 because an Apgar score is "X" or a pH is "Y" that the

1 baby is necessarily suffering asphyxia. We go by  
2 degrees of probability. So I do the same thing as  
3 they do.

4 Q. All I'm getting at, Doctor, is, when you  
5 looked at that placental slides, you can't say, "I  
6 predict there's going to be persistent late  
7 decelerations and poor variability two or three hours  
8 before delivery." You can't do that by just looking  
9 at the slides, can you, sir?

10 A. Oh, I can raise question, and I have, in  
11 fact, raised question, and sometimes you'd be amazed  
12 at the extent to which one can do that.

13 Q. Did you do it in your reports in these  
14 two --

15 A. I've told you already, yes. I mean, in  
16 my opinion -- you've asked this several times -- I've  
17 said that the meconium, I've said that the nucleated  
18 red cells, they are just as predictive, in my opinion,  
19 as whether an Apgar score is less than 4 at one minute  
20 or less than 5 at five minutes. They are just as  
21 predictive.

22 Q. All right. Now, Doctor, I'm not trying  
23 to get in word games with you.

24 A. Uh-huh.

25 Q. But when I ask you a question, I want

2 that question answered, and I don't want some answer  
2 to something else.

3 A. Okay. Okay.

4 MR. RATNER: I'm going to object to the  
5 form of the question and the tone of your voice.  
6 You're arguing with the doctor, and he's attempted to  
7 give you an answer to every question you've asked, Mr.  
8 Prochaska.

9 BY MR. PROCHASKA:

10 Q. And I don't mean to be arguing with you,  
11 sir. You and me are going to be here forever if we  
12 argue and I think I've learned that that gets us  
13 nowhere.

14 But when I say -- when I use the words  
15 "repetitive late decelerations with poor variability,"  
16 that's what I mean. I'm not talking about meconium or  
17 some other things. Okay?

18 So my question to you, sir, is: When you  
19 looked at the slides in this case, did they tell you  
20 that this child did in fact have repetitive late  
21 decelerations with poor variability in an approximate  
22 two hours before birth? Yes or no?

23 A. No. This has been asked and answered  
24 already. I looked at these slides without any  
25 clinical information.

1 Q. Now, did you train under Dr. Benirschke?

2 A. I spent part of my training under Dr.  
3 Benirschke, that's true.

4 Q. Well-respected man?

5 A. Absolutely.

6 Q. You got a lot of your knowledge from him?

7 A. A lot, not all, a lot.

8 Q. Well, all I'm getting at is he trained  
9 you, not you trained him?

10 A. He would be the first to admit, if you go  
11 to the preface of his textbook, that it is a two-way  
12 process at this stage in our life, depending upon the  
13 area we're talking about. If you're talking about the  
14 area of meconium in this case, if you're talking about  
15 the area of nucleated red blood cells, it's a shame  
16 you didn't ask him the same question. I think he  
17 would defer on many of those things to me.

18 Q. You didn't answer my question.

19 A. Yes, I did, sir. I said that I have --  
20 implied the greatest respect for him, I said to you  
21 that I had a substantial part of my education from  
22 him, and I further said that that doesn't mean to say  
23 that I have all of my education or that I would defer  
24 to him on all issues.

25 Q. Were you a mentor of his?



1           A.     He was a mentor of mine.

2           Q.     Okay. Thank you.

3           A.     And I'm very proud of that.

4           Q.     And you learned a great deal from him?

5           A.     I did, and I'm very proud of that  
6 friendship.

7           Q.     He spent many years teaching you the fine  
8 art and fine science of placental pathology?

9           A.     No, that's not true. I spent one year  
10 with Dr. Ben rschke. He stimulated me enormously.  
11 He's been my constant mentor. I have bounced all of  
12 my concepts off him, including the meconium of this  
13 case, including the nucleated reds, including all of  
14 the things that I have published. I have found him an  
15 invaluable mentor. But that does not mean to say that  
16 he has supplied me the information on all of these  
17 topics as opposed to on some of them -- on some of  
18 them, vice versa.

19          a.     He's never spent a year with you learning  
20 from you?

21          A.     Of course not. He has, by way of the  
22 preface to his own book, given the answer to you if  
23 you just read the preface.

24          Q.     All right. Now, it's Dr. Altshuler's  
25 opinion that -- and maybe I'm wrong. Okay. Let me

1 start again. You've read Dr. Benirschke's deposition;  
2 correct?

3 A. I did.

4 Q. And I want to read to you page 68 and 69.  
5 It's about seven lines. It's the end of his  
6 deposition.

7 MR. RATNER: Why don't you hand us the  
8 doctor's copy of it there? Why don't you check those  
9 pages and follow along to make sure the question's  
10 correct.

11 THE WITNESS: I have it.

12 BY MR. PROCHASKA:

13 Q. All right. Starting at line 24.

14 A. I have it.

15 Q. All right. "Question: So if I was to  
16 summarize your opinion then, it's that you feel there  
17 were -- there was changes to the placenta, but you are  
18 unable to say more probable than not whether they  
19 caused harm for the baby?

20 "Answer: Yes. I feel that there are  
21 significant changes in the placenta that are abnormal;  
22 but if they caused the baby's CNS problems, I don't  
23 know."

24 Okay. First of all, you don't have any  
25 criticism of Dr. Benirschke making those answers or

1       that answer?

2               A.       Absolutely no criticism whatsoever.

3               Q.       You recognize that Dr. Benirschke was  
4       saying, **I find** abnormal changes in the placenta, but 1  
5       am not willing to say if those abnormal changes, more  
6       probable than not, caused the harm to the baby? You  
7       recognize that, do you **not**, sir?

8               A.       **I not** only recognize it, but I believe I  
9       know exactly why he said that.

10              Q.       All right. Now, what I want to know from  
11      you, sir, is, although Dr. Benirschke, your mentor,  
12      will not give an opinion as to whether the abnormal  
13      changes caused harm to the baby, you, sir, are willing  
14      to do that in this case; correct?

15              A.       Absolutely, yes.

16              Q.       All right. Can you tell me why you feel  
17      that you have the expertise to voice that opinion  
18      whereas Dr. Benirschke doesn't?

19              A.       I could suggest two reasons to you.

20              Q.       Okay.

21              A.       Okay? And you'd have to check with Dr.  
22      Benirschke. Number one, that he knew that I had been  
23      recruited in this case at the time he gave this  
24      deposition; and number two, because, as you will glean  
25      from the introduction of his book and from various

1 sections of the book, that in the area of meconium and  
2 nucleated red blood cells, although in the book it's  
3 not published as such, he has sought my research  
4 experience in the formulation of his opinions.

5 So the bottom line is, let's put things  
6 in perspective. He is an intellectual giant for which  
7 I am very proud that he is my mentor. His knowledge  
8 vastly exceeds mine. But in the precise focus of  
9 relationships between placental signs and baby  
P0 outcome, there are many parts of that focus for which  
11 he defers to me, this case probably being an example.

12 Q. Would you agree that there are many good,  
13 well-known, placental pathologists who won't take the  
14 extra step and feel it's their expertise to make a  
15 connection between the abnormal placental findings and  
16 the injured baby?

17 A. That's a wrong statement because there  
18 aren't many placental pathologists who had 20 or more  
19 years experience of correlating placental signs with  
20 baby outcome, so that I need to be sure you do not  
21 misunderstand. Your statement was absolutely wrong.

22 It's very rare to find people who've had  
23 20 or more years of experience of comparing placental  
24 pathology with patient outcome.

25 Q. All right. Would you agree with me

2     though that there are well-known, respected, placental  
2     pathologists who don't -- such as Dr. Benirschke, who  
3     don't feel it's appropriate for placental pathologists  
4     to opine a cause of the harm to the babe based upon  
5     their analysis of the slides?

6             A.     You've asked a two pronged question  
7     there. I've answered the first part. With Dr.  
8     Benirschke, I'm convinced that because he knew that I  
9     was recruited in this case --

10            Q.     Uh-huh.

11            A.     -- because he knew that the issues were  
12     chorangiosis -- which he assigned me to pursue,  
13     incidentally, many years ago, and I did, and therefore  
14     that I would, in essence, have more data than he  
15     would. He assigned me as the teacher to do this. The  
16     same thing with obscure villitis. He assigned me to  
17     pursue, and I did that faithfully. Same thing with  
18     the meconium. All of which were stimulations from him  
19     that I should do and that he knew that I was on the  
20     case. That's why Dr. Benirschke didn't pursue it by  
21     my belief, but you'd have to verify that with him.

22                   In the matter of the other folks, I am  
23     diplomatically trying to convey to you that, in the  
24     numerical sense, they don't exist. They're on the  
25     fingers of less than one hand. Or you know what I'm

1     trying to say.

2             Q.     Sure. Have you assigned anything for Dr.  
3     Benirschke to do per your request?

4             A.     Oh, I've often -- I have often said to  
5     him, you know, because of your fame and eminence, you  
6     really must jump on so-and-so who has written nonsense  
7     in the literature. And he has pursued that, and I  
8     would prefer not to name the times that that has  
9     happened.

10            We have a very close relationship. I  
11     just want to set the record very clear here. He knows  
12     enormously more than I do, always will, but that  
13     doesn't mean to say that it's not a two-way street in  
14     terms of assignment of what ethical and moral and  
15     other responsibilities are.

16            Q.     When you --

17            A.     Incidentally, I will share this with him,  
18     this deposition.

19            Q.     When you, say, this year and next year,  
20     when you make your pathology report based on your  
21     interpretation of slides at the hospital at which you  
22     work, do you routinely put under the diagnosis your  
23     expectation of whether you predict or expect a bad  
24     outcome?

25            A.     I do not.

1           Q.     Do you know of any placental pathologists  
2     that do?

3           A.     I do not,

4           Q.     Do you know of any that are trained to do  
5     that?

6           A.     I do not.

7           Q.     Do you teach any of your residents to do  
8     that?

9           A.     I would never do that because this is  
10    something that's not done, I believe, by any  
11    pathologist in any subspecialty of the discipline.

12          Q.     Now, you've talked about congenital  
13    infection, chronic hypoxia as a cause of the bad  
14    outcome. Let me take the congenital infection as the  
15    topic for a moment. Okay? Can you name for me the  
16    specific bug or bacteria or virus that you opine  
17    caused the congenital infection in Katie Hoyt?

18          A.     No. I think, in terms of what is popular  
19    in the '80s and the '90s, that you would have to have  
20    an obligation to raise question of cytomegalovirus.  
21    But that's done more so because of what is known in  
22    the '80s and the '90s rather than what one really  
23    believes.

24          Q.     Is it your opinion that, although you  
25    can't name the specific bug or virus, it is your

1 opinion that the most likely cause of injury due to  
2 congenital infection would be **CMV**?

3 A. Absolutely, yes, in terms of common things  
4 are common, and that's what we have to go by in the  
5 state of our knowledge in the 1980s and '90s.

6 Q. So as I understand Dr. Altshuler's  
7 opinion today as at the time of this deposition, it is  
8 your feeling that, although you don't know which  
9 bacteria or virus did cause harm to Katie Hoyt, based  
10 on probabilities, it's most likely **CMV**?

11 A. That would be the one that I would urge  
12 my virologist colleague to investigate. That doesn't  
13 mean to say that -- that I'm convinced that it has to  
14 be **CMV**, period. It means that that's the one that is  
15 up front to be ruled out.

16 Q. As a matter of fact, Marge -- excuse  
17 me -- Maggie Roberts asked you to investigate that,  
18 did she not, sir, when she gave you the list of  
19 questions?

20 A. I'm sure she would have asked me that.

21 Q. Mr. Ratner asked you that in his letter?

22 A. I'm sure he would have asked me that.

23 Q. And as a matter of fact, Dr. Benirschke  
24 even commented on **CMV** in his expert report, didn't he,  
25 sir?



1           A.       Yeah. I mean, I think that's something  
2       that you have to think about. That doesn't mean to  
3       say that that's the absolute diagnosis.

4           Q.       And when I read page one of Dr.  
5       Benirschke's report at the bottom, he says, "The  
6       diagnosis of all of these findings suggests a chronic  
7       fetal infection much most likely in such circumstances  
8       as is fetal cytomegalovirus infection.

9           A.       Yeah. He means implicitly in terms of  
10      what rganisms we know today that could do it. In  
11      other words, he means that it's not likely to be  
12      toxoplasmosis or rubella or herpes or syphilis; and  
13      therefore, that's what he's doing there.

14          Q.       Okay. So what I'm getting at, sir, is  
15      both you and Dr. Benirschke are in agreement that,  
16      although you don't know which virus or which bug, you  
17      both think the most likely one would be CMV?

18          A.       No. I think what he is saying -- in  
19      fact, we know one another so well that it's a safe  
20      presumption. What he stated verbatim in his  
21      deposition was that many viruses can do this. And you  
22      know that he said that in his deposition.

23                    But what he also said in his deposition  
24      and in that letter is that, quote, unquote, the most  
25      likely one, meaning of the ones with which we deal

1 daily for which we have tests that we can do and all  
2 the rest, which would be the most likely, then it  
3 would be CMV. In other words, it wouldn't toxo, it  
4 wouldn't rubella, it would be the others.

5 Q. Okay. I want to talk to you just about  
6 his expert report, not his deposition. Okay? Listen  
7 to me carefully. Would you agree that just from what  
8 I read you off of Dr. Benirschke's report, just based  
9 on that, and what you've said here today, it is Dr.  
10 Altshuler's and Dr. Benirschke's expert report opinion  
11 that both you and he feel the most likely virus to  
12 have done the harm to Katie Hoyt would be CMV?

13 A. No. There's a word missing there which,  
14 in terms of intent, has to be said because that's what  
15 judges and juries are interested in. The most likely  
16 known one, in other words, the ones with which we deal  
17 every day --

18 Q. Uh-huh.

19 A. -- that would be the one, the most likely  
20 known one.

21 Q. Okay.

22 A. And he also is not ruling out  
23 adenoviruses and interoviruses and a whole host of  
24 other viruses.

25 Q. Now, even though Maggie Roberts and Mr.

1 Ratner asked you about CMV, nowhere in your expert  
2 reports, plural, do you rule it in or rule it out, do  
3 you, sir?

4 A. I would never do that. All I can tell  
5 you is what I've just said, that I would say to a  
6 virologist and to a neonatologist -- and incidentally,  
7 I do this prospectively, constantly, daily,  
8 prospectively -- you really need to look for, you  
9 know, CMV when I see things like this.

10 Q. Okay. You looked for CMV in this case,  
11 didn't you?

12 A. I sure did.

13 Q. Did you report anywhere in your reports  
14 where you found it?

15 A. No. I believe I emphasized in my report  
16 that one doesn't see it in any more than 25 percent of  
17 cases anyway. I made that very point in my report.

18 Q. Nowhere in your report did you say you  
19 opine that CMV is the virus that caused the harm to  
20 Katie Hoyt, did you, sir?

21 A. No, because, if you think about it, what  
22 I did in my report was come down the line strongly as  
23 to what I felt was definite. What I'm saying is that  
24 I am absolutely prepared to believe that it was CMV or  
25 a virus closely related to cytomegalovirus. That's

1     what I think is the most likely common sense in a  
2     terms of a jury probable thing.

3             Q.     Okay.

4             A.     But I would never say that this babe  
5     definitely necessarily had CMV.

6             Q.     Mow, when you said --

7                     MR. RATNER:   Off the record just a  
8     second.

9                     (Whereupon, an off-the-record discussion  
10    was had.)

11                    (Whereupon, a short recess in the  
12    proceedings was had.)

13    BY MR. PROCHASKA:

14             Q.     You had mentioned it's probable CMV or a  
15    virus similar. Are you able to give me the name of  
16    the similar such virus?

17             A.     No.

18             Q.     Okay. Mow, let me talk to you about CMV.  
19    Do you profess to be an expert in diagnosing CMV?

20             A.     I profess to having more than -- more  
21    than an average amount of knowledge. I'm certainly  
22    not a virologist, and I made that crystal clear. I am  
23    not in the category of people like Alford and so  
24    forth.

25             Q.     All right. Now, when you completed your

1 first and second expert report, you felt CMV or  
2 similar virus was the one most responsible for the  
3 congenital infection that contributed to the brain  
4 damage. Fair summary?

5 A. Yes.

6 Q. When you got the clinical chart, would  
7 you agree with me that nowhere in there **does** it give  
8 confirmatory evidence of that CMV or other bug in the  
9 opinions of any of the doctors as causing brain  
10 damage?

11 A. I disagree because that's exactly what I  
12 was talking about earlier. It's kind of like the  
13 rubella story. Many doctors were brought up in the  
14 tradition that **CMV** would have what you enunciated, and  
15 I know exactly what you're talking about. It was down  
16 there, hepatosplenomegaly, purpura, all these other  
17 things, you know. That was the old teaching in the  
18 textbooks.

19 It's now very, very well known that there  
20 is enormous population of fetuses who suffer infection  
21 in their body from **CMV** who do not manifest any of  
22 those things. Same as with the rubella story, et  
23 cetera. So that is exactly why I am not going to  
24 defer to those particular clinicians.

25 Q. What you're saying is, in the last ten

1 years, we've learned more. The guys back in 1982, the  
2 treating physicians may have missed it?

3 A. Absolutely, yes, because they were  
4 subordinate to what was published at that time, and  
5 now we know a lot more.

6 Q. All right. In 1982 at least, we can  
7 agree, the treating doctors did not make a finding or  
8 express a diagnosis that this newborn had CMV?

9 A. That's right, and I've given you the  
10 probable reason.

11 Q. All right. Now, back in 1992, are you  
12 able to point to me what evidence in 1992 that you see  
13 today tells us that CMV is probably the infection that  
14 caused the brain damage?

15 A. Well, recognizing that the horse is  
16 already out of the stable and it's too late to do  
17 antibody studies of many varieties -- and Dr.  
18 Benirschke had mentioned that in his deposition -- you  
19 could say that, based upon the fact that CMV is very,  
20 very common -- okay? -- that would be an important  
21 reason even in '90, '92 to justify its probability.

22 There was an elevated IgM. The absence,  
23 the absence of an elevated IgM does not rule out CMV  
24 or other viruses, but absolutely the presence of an  
25 elevated IgM would make one even more suspicious of

1 CMV. There's really not much more to tell you other  
2 than that, by my opinion, there was disproportionate  
3 size between the head and the length of this babe.

4 I think that that would be consistent  
5 with, absolutely not diagnostic of, but it would be  
6 consistent with the concept of a viremic fetus who  
7 additionally was hypoxic and not thriving. And the  
8 very fact that we now know that, with CMV, you don't  
9 have to have calcifications in the brain, you can have  
10 brain damage in the absence of calcification with CMV.

11 Q. Okay. Now, have you -- do you know  
12 Bradley Schaefer?

13 A. I certainly do.

14 Q. Okay. You've known him from your  
15 experience here at Oklahoma City?

16 A. That's correct.

17 Q. competent geneticist?

18 A. In my opinion, he's competent.

19 Q. I'm sure that he consulted on many cases  
20 you consulted on?

21 A. Over the years, I believe he did.

22 Q. You always found him to be experienced,  
23 knowledgeable, and professional?

24 A. Yes.

25 Q. Never found him to be incompetent?

1           A.       I have never found him to be in any way  
2 incompetent.

3           Q.       Do you respect him as a geneticist?

4           A.       Within my limitations. I mean, I can't  
5 really judge geneticists because I'm not an authority  
6 on genetics; but my impression is that he is a very  
7 capable person, ethical person, and a fine decent  
8 person.

9           Q.       You have nothing negative to say here and  
10 now about him in terms of his competence as a  
11 geneticist?

12          A.       Absolutely nothing, having qualified my  
13 statement by saying that I am not a qualified  
14 geneticist to judge him.

15          Q.       Well, at least we've got one specialty  
16 you're not an expert in.

17          A.       And I will be delighted to emphasize  
18 that.

19          a.       Okay. Now, has Mr. Ratner ever told you  
20 that he has ruled out CMV?

21          A.       I believe I had a letter that I read of  
22 Bradley Schaefer. I think I --

23          Q.       That's what I was looking -- would that  
24 be his expert report?

25          A.       Probably. Didn't you put in it in with



1 the exhibits?

2 Q. Well, I thought I did. Look again.

3 A. Unless it got mixed up in mine.

4 Q. Yeah, I think you -- I know I provided  
5 that to you.

6 Q. Yeah, that's right. I just put it on the  
7 record. We didn't mark it as an exhibit.

8 A. Yeah, I mean -- and there was also from  
9 Schiffrin, remember?

10 Q. Yeah, that's okay.

11 A. Here's Richard Paul, here is Bascom  
12 Anthony, here is Barry Schiffrin. And I suspect that  
13 Brad's letter is in here. Here is Benirschke's, here  
14 is Alan Hill --

15 MR. RATNER: Do you want him to find Dr.  
16 Schiffrin's report?

17 MR. PROCHASKA: (Counsel nodded head  
18 affirmatively.)

19 MR. RATNER: Yeah, he does.

20 THE WITNESS: Huh?

21 MR. RATNER: Go ahead and look for it.

22 BY MR. PROCHASKA:

23 Q. Well, at any rate, Doctor, you recall  
24 seeing his report?

25 A. I was aware that Brad had issued a

1 report.

2 Q. All right. Now, if I was to tell you his  
3 report suggests CMV and does not comment that he ruled  
4 it out, would you accept that from your recollection?

5 A. I beg your pardon? I'm sorry.

6 Q. If I were to tell you that the report  
7 suggests CMV but he didn't rule it out till his  
8 deposition, would that be acceptable with your  
9 understanding of his report?

10 A. Yeah. I think we're talking the same  
11 thing. We're talking about degrees of probability, et  
12 cetera.

13 Q. Now, is ruling in or ruling out the  
14 diagnosis of CMV more in the expertise of Bradley  
15 Schaefer than Geoffrey Altshuler?

16 A. Oh, it's much more in my expertise.

17 Q. Okay. Because?

18 A. I'd say for several reasons. Because  
19 number one, I've done a substantial amount of research  
20 on infectious diseases of the newborn.

21 Q. Uh-huh.

22 A. But in the clinical sense and in  
23 experimental sense, because I have interacted with  
24 clinicians and research scientists who are preeminent  
25 in that field, and he's never had the benefit of that,

1 what you would call mentorship, and because, as a  
2 geneticist, the bulk of his consultations to which he  
3 responds is not in the matter of is this a case of  
4 congenital cytomegalovirus infection, whereas with me  
5 it is.

6 I get people who say to me, "This babe  
7 has one, two, three, and four. From your opinion of  
8 the placenta and the rest of the clinical results that  
9 we'll give you, to what extent do you think it is or  
10 it isn't?"

11 Q. Does anybody ask you, as a placental  
12 pathologist, to evaluate the results of TORCH titers,  
13 have those tests done, examine the child, and give an  
14 opinion as a consult based on the TORCH titers and  
15 your examination of the child whether or not the child  
16 had CMV?

17 a. Well, it's implicit from what I've said  
18 already, constantly. Every time I get a so-called  
19 small for gestational age babe, that issue looms  
20 forth.

21 Q. All right. Listen to me carefully. Do  
22 you get requested as a consult to come and examine the  
23 child patient and as a part of that exam to conduct  
24 TORCH testing and other laboratory work to rule in or  
25 rule out and express your opinion as to whether that

1 child, based on your exam of the child and the TORCH  
2 titers, has CMV?

3 A. No.

4 Q. Have you ever had anyone consult you for  
5 that purpose in the last 20 years?

6 A. Oh, I've had -- several times people  
7 encourage me to come and look at the babe that we've  
8 discussed, but that's not the way somebody consults  
9 me.

10 The way people consult me is that they  
11 tell me that they want me to look at the placenta, I  
12 give them an opinion, then they give me the detailed  
13 story, and then we match up degrees of probability.  
14 And obviously, the bottom line comes from the  
15 virologist because he's the person who has the final  
16 say.

17 Q. I think you said it better than I, which  
18 is that, when you're consulted, you're consulted and  
19 requested to look at the placenta for evidence of CMV;  
20 correct, sir?

21 A. No, sir, because my whole point is that  
22 they also want to know from me that, if in my opinion  
23 it's not going to be CMV, independently of what the  
24 virologist says, what else might they be missing.

25 Q. All right. At any rate, we agreed that,

1 in the last 20 years, you have never had a treating  
2 physician consult with you on their patient  
3 specifically to just examine the patient and conduct  
4 TORCH testing and express an opinion as to whether or  
5 not they have suffered from CMV based on --

6 A. That's taking things totally out of  
7 context because, number one -- number one, all of the  
8 primary physicians do those tests themselves and then  
9 they call a consultant.

10 Q. All right.

11 A. And what I'm saying is, what they end up  
12 doing is they will call -- they will call me to look  
13 at the placenta, they will call a pediatric infectious  
14 diseases expert to see the child once they've done  
15 those tests, to have those results.

16 Q. Please answer the question again and  
17 we'll get onto something else.

18 A. Okay.

19 Q. You've got to give me a yes or no answer  
20 to my question.

21 MR. RATNER: No, that isn't correct,  
22 Doctor. You don't have to give a yes or no. If you  
23 can answer it yes or no, it might move us along  
24 faster; but if you need to explain the answer, you've  
25 got every right to explain your answer.

1 THE WITNESS: Let me just say yes so we  
2 can move along.

3 BY MR. PROCHASKA:

4 Q. Okay. Is it your testimony and opinion  
5 that Bradley Schaefer is wrong in ruling out CMV?

6 A. I don't remember the context in which he  
7 spoke. But if he is saying that this is absolutely  
8 definitely incontrovertibly not CMV, I would disagree  
9 with Brad.

10 Q. All right. Now, let me put it in this  
11 context. I want you to assume that Bradley Schaefer,  
12 before he even did the TORCH testing, felt that this  
13 child did not have injury from CMV. First of all, if  
14 that is his feeling before he even did the TORCH  
15 testing, do you accept that as a reasonable opinion  
16 for him to have?

17 A. Now wait a minute. Are you saying he did  
18 not think it was CMV then?

19 Q. Re did not think it was CMV before he did  
20 the TORCH testing. Would you think that is a  
21 reasonable opinion for him to have, that he felt this  
22 child did not have harm from CMV without even having  
23 to do TORCH testing?

24 A. I would agree with that because I've  
25 explained that already. Brad is not an infectious

1 diseases expert. In fact, that's not his area.

2 Q. All right. If he did TORCH testing and  
3 that only reaffirmed his prior feeling that this child  
4 did not have CMV as the cause of her injury, would you  
5 feel that that is a reasonable opinion for him to  
6 have?

7 A. No. I would need to know the details.  
8 You know, I would need to know, did he send this off  
9 to Chuck Alford down in Alabama? Did Chuck do  
10 something and tell him that, Brad, this is not CMV.  
11 I've said that Brad is highly competent, but I never  
12 have implied that he is an expert in infectious  
13 diseases.

14 Q. All right. Now, is it -- okay. Now,  
15 when did Mr. Ratner, if ever, tell you that Bradley  
16 Schaefer ruled out CMV as the cause of this child's  
17 injuries?

18 A. I don't recall. You know, to be honest,  
19 I have had such an enormous amount of clinical stuff  
20 in this case that I can't remember, you know, every  
21 little facet thereof.

22 Q. Would it be fair to say that he may have  
23 never told you that Bradley Schaefer ruled out CMV?

24 A. I doubt it. I have been inundated with  
25 so much from the office of Mr. Ratner via Ms. Roberts,

1 who is just, you know, telling me all the time, you  
2 know, what people said and then she had **it** with you,  
3 here it is, you know. I can't possibly remember  
4 honestly. I'm just not that good. I can't remember.

5 Q. Maggie Roberts calls you frequently and  
6 tells you what's the testimony of all of deponents?

7 A. She basically has kept me apprised as to  
8 whether anybody has radically disagreed with me or  
9 not, and then I've shown you she's given me letters of  
10 Schiffrin and Hill and so forth.

11 a. Okay. But you don't recall either her or  
12 Mr. Ratner specifically calling you and telling you  
13 that Bradley Schaefer specifically disagrees with you  
14 that CMV is in fact ruled out as a cause of the  
15 child's injury?

16 A. I really don't recall. I'm not denying  
17 **it**. I'm just saying that, you know, I'm so boggled up  
18 with all this information, I have **it** out of -- you  
19 know.

20 Q. All right. Now, would you agree with me  
21 that a vigorous and well-nourished baby is the best  
22 proof of good placental function?

23 A. Oh, absolutely not. I've seen babes who  
24 look well-nourished who've even, according to a  
25 parent, smile; and I've seen them at autopsy because



1 of deaths for other reason and found that they have  
2 had almost no brain cortex whatsoever. So the alleged  
3 state of nourishment or whether the babe allegedly  
4 smiled in no way, you know, impresses me as being  
5 status of the babe.

6 Q. Now, you have concluded that -- I have  
7 some trouble with the terminology here, so I had to  
8 write it down here. Okay? Let me start again.  
9 You've concluded there is pathological changes within  
10 the placenta, agreed?

11 A. Yes.

12 Q. That caused harm?

13 A. Yes.

14 a. Would you agree that, to conclude  
15 pathological changes within the placenta caused harm  
16 that -- let me rephrase that. Would you agree that,  
17 to conclude pathologic changes within the placenta  
18 caused harm, you would have to have support from  
19 clinical history to have that conclusion?

20 A. That's been asked and answered, you know.  
21 I really said to you on more than one occasion, before  
22 I give a final diagnosis, I want to have the clinical  
23 facts including the laboratory data. And when I say  
24 "clinical facts," I don't mean subjective,  
25 interpretive things alone. I mean objective data,

1 things like what's the weight, what's the size, what's  
2 the head circumference, what's the hemoglobin, what's  
3 the rest, et cetera,

4 Q. Well --

5 A. I've said that already. It's been asked  
6 and answered probably three times. I've also said --  
7 I've also said that clinical guidelines of asphyxia  
8 are not absolute, and people can argue for ages about  
9 what is a diagnosis of asphyxia. And so it becomes a  
10 matter of probabilities and what is the most  
11 reasonable thing.

12 And in that context, I've said that,  
13 given the presence of meconium, nucleated red blood  
14 cells, and I've answered this repetitiously, I'm  
15 probably just as reasonable to say that this is the  
16 picture of neonatal asphyxia as the person who goes by  
17 the Apgar score at one and five minutes and so forth.

18 Q. Well, I don't mean to be argumentative.  
19 I think we've talked about it. I'm quite confident  
20 I've never asked the question that way. Let me ask it  
21 a different way and throw in something new.

22 To conclude pathologic changes within the  
23 placenta caused harm, would you have to have strong  
24 support from the clinical history, such as a small  
25 head or signs of symmetrical or asymmetrical fetal

1 growth retardation? In other words, I'm throwing in  
2 the examples of small head and fetal growth,  
3 retardation. Would you have to have something like  
4 that in the clinical history before you could conclude  
5 pathologic changes within the placenta caused the  
6 harm?

7 A. I'd have to have some target  
8 abnormalities. Now, you inserted the word "strong."  
9 You see, that's why we have to be careful about  
10 gamesmanship.

21 Q. I'll take it out. I'm take it out.

12 A. Yeah, yeah. Why don't you repeat the  
13 question.

14 Q. Okay. I'm sorry. That's a word game I  
15 didn't mean to play on you.

16 A. Okay.

17 Q. To conclude pathologic changes within the  
18 placenta caused harm, would you have to have support  
19 from the clinical history such as a small head or  
20 signs of symmetrical or asymmetrical field growth  
21 retardation?

22 A. Absolutely not.

23 Q. Okay.

24 A. I mean, there are a bunch of other things  
25 that you seem to have left out that I don't understand

1     why you left it out.

2             Q-     All right.  Now, would you agree that, in  
3     this case -- and you've looked at the depositions,  
4     you've looked at the chart, you've noticed how the  
5     chart says fetal distress; correct?

6             A.     (Witness nodded head affirmatively.)

7             Q.     Okay.  Would you agree that the clinical  
8     pathologic facts on the chart establish a failure to  
9     deliver a distressed fetus caused the injury?

10            A.     No, I don't agree with that.  You've  
11     basically asked me that question before, and I don't  
12     agree with that.

13            Q.     Would you agree we have no chorionic  
14     micro abscesses in this as a significant finding?

15            A.     Yes.

16            Q.     Would you agree you have no triple vessel  
17     vasculitis of the umbilical cord as a significant  
18     abnormal finding by yourself?

19            A.     Yes.

20            Q.     Would you agree you need both before you  
21     can suggest that the baby is likely to have suffered  
22     from infection?

23            A.     No.  You're clearly talking about a  
24     univaried analysis investigation that a pathology  
25     fellow did with me.  I'll tell you exactly what you're

1 referring to. Many years ago --

2 a. That's what I'm referring to.

3 A. No, come on, sir. Let's not take things  
4 out of context. Those were two things that were  
5 published out of my unit by a pathology fellow and a  
6 neonatologist. And the first author, as I recall, was  
7 Bill Keenan, who was the neonatologist. And all that  
8 that said was that, on a univaried analysis, that what  
9 you've just named, chorionic abscesses and triple  
10 vessel vasculitis, in our opinion, very meaningfully  
11 were associated with what was separately diagnosed by  
12 commissions as neonatal sepsis. That's all that that  
13 said.

14 Q. For the record, Doc, I've got lots of  
15 notes here. I have no idea where that came from. But  
16 I don't think it was what you said.

17 A. Well, let me suggest to you --

18 Q. I think you're suggesting more literature  
19 research to me than I did.

20 A. Let me suggest to you that somebody who  
21 spoke to you had read one of my articles or that --  
22 because that is -- I followed the literature for many  
23 years in terms of correlations between placental  
24 signs, and that particular little cameo there is  
25 vintage what Altshuler had done many years ago in

1 Cincinnati.

2 Q. See, you've been real particular about  
3 your answers because you think I've done all this  
4 research on you.

5 A. I suspect you've spoken to people who've  
6 done research for you if you yourself have not done it  
7 directly.

8 Q. Well, apparently you think I'm perhaps  
9 more competent than I am.

10 A. I think your extremely competent.

11 Q. Well, we'll find out. These guys never  
12 treat me like that. All right.

13 Do you, Dr. Altshuler, have to have a  
14 clinical manifestation and lab results of infection  
15 before you can -- I'm reading this bad. Is it your  
16 opinion that clinical manifestations and lab results  
17 of infection are needed to establish a diagnosis of  
18 infection in the newborn?

19 A. It is my opinion that an enormous number  
20 of things are done -- are needed, including the  
21 placenta, because it is very difficult to diagnose  
22 neonatal sepsis many times.

23 Q. All right. Now, when you say that, I'm  
24 assuming you mean then your answer is, yes, you do  
25 need clinical manifestations of infection, lab results

1     indicative of it, in addition to placental findings  
2     before you can establish a diagnosis of infection in a  
3     newborn?

4             A.     That's my opinion.

5             Q.     All right. Now, that puzzles me  
6     because -- well, I guess my follow-up question is: Is  
7     it your opinion that we do have clinical  
8     manifestations and lab results and placental findings,  
9     all three, of infection in this case?

10            A.     We have, in my opinion, findings of  
11     infection. I do not equate that with sepsis.

12            Q.     Would you agree vasoconstriction of the  
13     placenta from meconium is only a potential means of  
14     neonatal brain damage?

15            A.     I would agree.

16            Q.     Would you agree -- I haven't asked  
17     this -- would you agree the chart discloses, quote, an  
18     intrapartum asphyxial crisis, end of quote, as  
19     indicative of a failure to deliver a distressed fetus  
20     causing brain injury in this case?

21            A.     I'm prepared to believe that that's true.  
22     I mean, that the allegation is true, not that the fact  
23     is true.

24            Q.     Okay. In other words, you're saying the  
25     chart says that, but you think the chart is wrong in

1 its observation?

2 A. No, what I said verbatim was that I am  
3 prepared to believe that an allegation to that effect  
4 is present in the chart, but I do not agree that this  
5 in fact had occurred.

6 Q. Okay. Now, I want to talk to you -- I  
7 think we've talked about some of your findings. I  
8 want to talk to you about what may not be in your  
9 report. Okay?

10 Is it correct that you have not reported  
11 as a significant finding avascular villi with  
12 hemosiderin? You can look at this if you want.

13 A. No. As a matter of fact, I don't believe  
14 that emphasizing that these hematoxylin and eosin  
15 slides, they were not iron-stained slides, I don't  
16 believe that I was able to appreciate hemosiderin in  
17 this particular case. I don't believe I took a  
18 photograph of it, and I don't believe that I described  
19 it. But I'd have to reread my report, and I would  
20 stand by my report.

21 Q. All right. And you know your report  
22 better than I do?

23 A. Yeah.

24 Q. When I read it, I don't find anywhere  
25 that you say, you know, I note avascular villi with



1 hemosiderin.

2 A. Right.

3 Q. I think that's missing. Would you agree  
4 with that?

5 A. I am absolutely ready to believe that. I  
6 think that's very likely.

7 Q. Okay.

8 A. I think that's very likely.

9 Q. All right. There -- you have found in no  
10 recognizable virus inclusions in your report?

11 A. That's absolutely true.

12 Q. All right. If you don't find avascular  
13 villi with hemosiderin and if you do not find  
14 recognizable virus inclusions, is it therefore in your  
15 opinion unlikely that Katie Hoyt suffered chronic  
16 intrauterine infection?

17 A. Let me be sure I understand you and ask  
18 that question again, would you?

19 MR. RATNER: Do you want to read it back?

20 THE WITNESS: Yeah. You want to read it  
21 back?

22 (Whereupon, the Court Reporter read back  
23 the material requested by counsel.)

24 THE WITNESS: Now I think I understand  
25 the question and let me answer it this way. That in

1 the last five years or so, particularly in the last  
2 two to three years, I've learned a lot more about  
3 cytomegalovirus from our virologist. And the way I  
4 learn it is by comparing what I *see* in the placenta  
5 and what the virologist finds.

6 And I have come to learn that the absence  
7 of the hemosiderin in the avascular villi in no way  
8 contradicts the clinical consideration of  
9 cytomegalovirus infection. Have I explained that  
10 clearly? In other words -- let me answer it another  
11 way.

12 In the old ways -- in the old days when  
13 they used to publish and present at meetings --

14 Q. Uh-huh.

15 A. -- was that, if you had avascular villi  
16 and hemosiderin in those villi, that you should be  
17 extremely suspicious of cytomegalovirus even if you do  
18 not see the virus. Okay?

19 What I have come to learn in the last  
20 two to three years is that there are cases of  
21 clinically overt cytomegalovirus infection who's  
22 placentas do not have intravillous hemosiderin. Does  
23 that fully answer the question? Maybe when you read  
24 the transcript you'll follow it.

25 Q. Probably not.

1                   Okay. In other words, if you have -- in  
2 other words, if you have no recognizable virus  
3 inclusions and if you have no avascular villi, it  
4 doesn't matter whether there's hemosiderin or not.  
5 It's your opinion the patient is -- can still have  
6 suffered a chronic intrauterine infection?

7                   A. Yes. I think what I'm saying is that --

8                   Q. You don't have to have the hemosiderin?

9                   A. That's right. But what I'm saying is  
10 that, if you have a villitis, it remains villitis of  
11 unknown etiology until you can prove the cause. Now,  
12 if you see the virus in the placenta, all that you  
13 know is that the virus is in the placenta.

14                  Q. Okay.

15                  A. Okay?

16                  Q. Now, let me talk to you about chronic  
17 villitis for a moment. Is there some school of  
18 thought out there that thinks that chronic villitis  
19 has minimal clinical significance? Or studies or  
20 literature?

21                  A. Well, there has to be when you're talking  
22 about what is possible, probable, and all the rest.  
23 Obviously there are many people who have never done --  
24 who have never done seven-year follow-up studies who  
25 off the top of their head will say the babes appear

1 normal and therefore villitis is unimportant.

2 I am convinced that this would be a true  
3 statement, that such people would exist. But if you  
4 would talk about what is prevalent in the  
5 literature --

6 a. Uh-huh.

7 A. -- not just from the doyen, namely,  
8 Benirschke, I think that people may disagree as to  
9 what causes it, what causes villitis; but I believe  
10 there is a consensus that there is a very meaningfully  
11 increased risk of a bad outcome in the associated  
12 fetus and newborn and growing child.

13 a. You recognize there might be in the  
14 literature from one of those few highly qualified  
15 placental pathologists a school of thought that  
16 chronic villitis has minimal clinical significance?

17 A. Of course. I mean, I've said that.  
18 Absolutely.

19 Q. You just disagree?

20 A. Yeah, because, I mean, again, we have to  
21 put it in context. The question is: Have those  
22 people data to back up their statement? And anytime  
23 that that sort of statement is made, I'd like to know  
24 what their data is.

25 Now, excuse me. In terms of context, if

1 you're saying and if they're saying that the  
2 associated newborn does not manifest it in the newborn  
3 period, I would agree. You see what I'm getting at?

4 Q. Dr. Benirschke used the term villitis of  
5 unknown etiology. Would you agree that that is an  
6 acceptable term to use in this case, that we have  
7 villitis of unknown etiology?

8 A. Well, short of sounding obnoxious, that's  
9 my term. I wrote the -- Dr. Benirschke gave me a  
10 mandate that I really should go out there and study  
11 these obscure villitises, and the term villitis of  
12 unknown etiology originated from my pen in 1975 when I  
13 wrote the monograph on it.

14 Q. Do we have chronic villitis of unknown  
15 etiology in this case?

16 A. Yes.

17 Q. All right. Is villitis of unknown  
18 etiology often present in placentas unassociated with  
19 symptomatic disease in newborns?

20 A. Yes.

21 Q. Meaning you can have chronic villitis and  
22 have a healthy baby?

23 A. And have an apparently healthy baby.

24 Q. Okay. Now, do you know of any particular  
25 published studies that have drawn a conclusion from

1 the research that the author did that chronic villitis  
2 does in fact cause brain damage in newborns?

3 A. There's only one study that I know of  
4 which was not designed by the kind of epidemiologist  
5 who graciously assisted the investigation that I made.  
6 In other words, there's one study that I think was  
7 primarily in abstract form rather than in detailed  
8 publication in the area of what you're addressing now.  
9 But for all practical purpose, there has never been a  
10 proper epidemiological study of villitis of unknown  
11 etiology, ever.

12 Q. All right. So all I'm getting at then is  
13 that, for you to make a connection between chronic  
14 villitis and the brain damage in Katie Hoyt, you don't  
15 have a peer review reported research study to support  
16 you. What you really have is your knowledge and  
17 experience and training?

18 A. In part. I mean, I have published, for  
19 example, more than once the 25 percent, for example,  
20 of babes who have chronic villitis of unknown etiology  
21 have symmetrical, symmetrical, growth retardation.  
22 Now, for example -- all right, okay.

23 Q. Now, did you get Dr. Christman's records  
24 at all in this case?

25 A. You know, I honestly don't remember. I

1 have discarded an enormous amount of records, no  
2 disrespect to Maggie. But she gave me an enormous  
3 amount, and I abstracted it and extracted it and have  
4 discarded a lot of it.

5 Q. Okay. Would you agree there was nothing  
6 that you saw or recollect in mother's records that is  
7 indicative of an agent she had that is capable of  
8 producing perinatal brain damage? And by "agent," I  
9 mean a viral agent or bacterial agent.

10 A. That's not true. I vividly recall, you  
11 know, and in fact when I reviewed the deposition, this  
12 mother indeed had at least a couple of episodes of,  
13 quote, unquote, viral-like illnesses, one being very  
14 much early in the pregnancy, and one being more remote  
15 in the pregnancy.

16 Q. All right. Now --

17 A. Both of which would have been consistent  
18 with a viral infection.

19 Q. All right. Let me ask it this way then.  
20 Was mom's virus indicative of an agent capable of  
21 producing the perinatal brain damage in Katie Hoyt?

22 A. Absolutely, yes. Absolutely.

23 Q. Now, when you say mom's virus was  
24 indicative of it, can you tell me what about that  
25 virus that you saw in the chart indicated to you it

1 could cause the brain damage?

2 A. Well, let me be very specific.  
3 Coronavirus, c-o-r-o-n-a-v-i-r-u-s, replicates -- you  
4 know, spell it? -- r-e-p-l-i-c-a-t-e-s -- replicates  
5 in placental tissue, okay? It's endemic in the  
6 community. It's not dependent upon epidemics. You  
7 cannot culture that virus.

8 I wrote the first paper that shows that  
9 it is highly destructive of gastroenteritis in tissue  
10 that pathologists would look at. I am absolutely  
11 prepared to believe that that virus that replicates in  
12 the respiratory tract could do this. It doesn't have  
13 to be cytomegalovirus. It could be adenoviruses, it  
14 could be many others that attack the respiratory tract  
15 and attack other tissues.

16 And as I say, I recall vividly there are  
17 at least two episodes that this lady had that would  
18 consistent with, for example, you know, as one  
19 example, of the coronavirus.

20 Q. All right.

21 A. And we are ignorant -- I am totally  
22 ignorant about what coronavirus does in human  
23 transplacental transmission.

24 Q. Okay. If I understand you right, what  
25 you're saying is: The virus mom had could have caused



1 the brain damage, number one?

2 A. Absolutely.

3 Q. Number two, you don't know what virus she  
4 had more probable than not? You've said many times  
5 throughout this deposition?

6 A. True.

7 Q. And you don't see anywhere in mom's chart  
8 or in baby's chart any evidence that allows you to say  
9 more probable than not what virus mom had that caused  
10 the perinatal brain damage?

11 A. No, not what virus. I see the IgM means  
12 there was some foreign infection, but it doesn't tell  
13 me specifically what virus.

14 Q. Okay. So my point being, after all of  
15 the records you've looked through, you are unable to  
16 express an opinion more probable than not on the name  
17 of the virus or identify the virus mom had that she  
18 gave the baby that caused the brain damage?

19 A. True.

20 Q. All right. And you also agree with me  
21 that, since some viruses can cause it and some can't,  
22 the critical question is: Did mom have the right  
23 virus or not that can cause this brain damage?

24 Agreed?

25 A. True.

1 Q. And you're just opining that she does?

2 A. True.

3 Q. And you're opining that she does even  
4 though yourself or no treating doctor in the ten-year  
5 history of this child has ever either uncovered such a  
6 virus or suggested the name of that virus?

7 A. Oh, my experience demands that I have  
8 that attitude because it's only been in the last five  
9 to ten years that Chlamydia and mycoplasma and other  
10 agents -- I have given you an example that we need to  
11 investigate coronavirus, for example. The IgM was up.  
12 It's crystal clear there was an infectious-like agent  
13 there.

14 Q. All right.

15 A. So the fact that I don't know the name of  
16 it is irrelevant.

17 Q. Okay. You'll agree with me that there  
18 are innumerable cold viruses, flu viruses, or stomach  
19 viruses that mom could have that would not account for  
20 the brain damage?

21 A. No, I don't agree that. I think that  
22 we're ignorant of that. There has been a crying need  
23 to pursue the extent to which respiratory viruses -- I  
24 gave you one example that can't even be cultured, that  
25 can only be diagnosed, you know, under an

1     electromicroscope, for example.

2             Q.     Well, you're not prepared to say that  
3     more probable than not every cold virus or every flu  
4     virus in your opinion causes brain damage?

5             A.     No. I'm merely saying that, if you have  
6     an IgM of 26 in a babe whose placenta has chronic  
7     villitis of unknown etiology, that it's probable,  
8     very, very probable indeed, but it's a causation,  
9     proximate cause effect.

10            Q.     All right. What I'm getting at is, you  
11     will admit that there are many cold viruses and many  
12     flu viruses that mom can have that will not cause  
13     brain damage to Katie?

14            A.     I agree.

15            Q.     Do you have anything in the chart, just  
16     in looking at mom's records -- well, let me rephrase  
17     that. Do you have anything in any of the medical  
18     records that enables you to demonstrate that mom did  
19     not have a harmless cold or flu virus but in fact did  
20     have a virus that caused brain damage? Anything in  
21     the chart that is demonstrative of proving that fact?

22            A.     That's a sort of double negative  
23     question, and I'd appreciate if you'd ask the question  
24     another way.

25            Q.     Okay. All I'm saying is: Is there any

1 evidence in the chart that proves to you mom didn't  
2 have a harmless cold or flu virus, but in fact mom did  
3 have a virus that causes brain damage to Katie?

4 A. I believe it's been asked and answered in  
5 a different sense. What I said three minutes ago is  
6 that, in my opinion, the combination of that villitis,  
7 even throwing in the plasma cells that Dr. Benirschke  
8 mentioned, with an IgM of 26 --

9 Q. Okay.

10 A. -- is a meaningful, damaging virus upon  
11 the brain until proven otherwise.

12 Q. Okay. I'd like to talk to you about  
13 nucleated red blood cells for a moment. You cannot  
14 provide precise time sequence for when the appearance  
15 of nucleated red blood cells within the fetal  
16 placental vessels of Katie began?

17 A. No. I can tell you, from my empirical  
18 knowledge, that it's at least 24 hours prior to  
19 delivery; and I can tell you that, in the March issue,  
20 I believe it was, of the American Journal of  
21 Physiology that the first author was Georgieff,  
22 G-e-o-r-g-i-e-f-f, and one of the major co-authors was  
23 Dr. Widness, W-i-d-n-e-s-s, did extensive studies in  
24 fetal sheep from which data I believe it is reasonable  
25 to conclude that it takes at least three days of

1 hypoxia in the fetal sheep before there would be a  
2 significant outpouring of immature red cells. And I  
3 believe that the fetal sheep is an excellent model of  
4 what happens in the human.

5 Q. You found 11 nucleated red blood cells  
6 per 100 whites?

7 A. That was in a report that I calculated  
8 out. And I think in fact it was already calculated  
9 out.

10 Q. Would you agree with me at all that is  
11 not an extreme or even a moderate elevation of  
12 nucleated red blood cells, but it would be just  
13 slightly out of the normal range?

14 A. I would disagree in the sense that it  
15 relates to when the test was done and what was given  
16 in the interim.

17 Q. Right.

18 A. And also I would emphasize to you as an  
19 extremely important factor here, which needs to be put  
20 into the equation, we're not talking an absolute 11 as  
21 opposed to 7 or 6. We're talking about per 100 white  
22 cells.

23 Q. Uh-huh.

24 A. And in this particular case, the absolute  
25 white cell count was extremely high, which means that

1 the absolute number of immature red cells was far  
2 higher than the number of 11 would betray, if we use  
3 that b-e-t-r-a-y.

4 a. Now, you see that nowhere in the chart  
5 did any of the treating doctors explain why we have  
6 the elevated nucleated red blood cells?

7 A. That's right. Elevated nucleated red  
8 blood cells were introduced in the literature in 1875,  
9 and they've been lost --.

10 Q. I don't need all that.

11 A. -- and they've been lost.

12 Q. Okay. All I want to ask is: Would you  
13 agree that treating doctors in the Katie Hoyt case  
14 should not be expected to rule out every facet of  
15 the -- of atypical events?

16 A. Are you saying that they should not be  
17 expected to rule out --

18 Q. They have to rule out every facet of  
19 atypical events to explain what caused the perinatal  
20 asphyxia?

21 A. Well, of course they do. Are you saying  
22 to me do I believe that physicians have an obligation  
23 to look for all kinds of abnormalities? Because if  
24 that's what you're saying, I would tell you  
25 emphatically of course they have an obligation to

1 pursue all sorts of abnormalities if they want the  
2 truth.

3 Q. I guess what I'm getting at is, if the  
4 treating physicians think that the perinatal asphyxia  
5 was recent and you think it's chronic, then they have  
6 to explain why we have elevated nucleated red blood  
7 cells, agreed?

8 A. Yes.

9 Q. All right. And my question to you is:  
10 Do you recognize that treating doctors should not be  
11 expected to rule out every facet of an atypical event  
12 in making their diagnosis?

13 A. Are we talking standards of care here?

14 Q. No, no, no. I'm just saying they can  
15 have a diagnosis of perinatal asphyxia being recent  
16 even though they can't explain why the nucleated red  
17 blood cells are elevated. And the reason that they  
18 can have that diagnosis in the face of unexplained  
19 elevated nucleated blood cells is because you, Dr.  
20 Altshuler, don't expect treating doctors to have to  
21 rule out every facet of an atypical event before they  
22 reach a reasonable diagnosis. Is that true or false?

23 A. The answer to the question is that a half  
24 truth is a whole lie. I mean, just because they say  
25 that there were acute problems does not deny the truth

1 being that there were many more serious problems that  
2 were there far more long-standing.

3 Q. Okay.

4 A. That in my opinion were far more  
5 important to the outcome of this tragedy than they  
6 appreciated at the time. I'm not criticizing them.  
7 This was many years ago. Even in 1992, most expert  
8 neonatologists are not aware of the importance of  
9 nucleated red blood cells.

10 Q. So if I understand what you're saying,  
11 whereas their diagnosis in recent perinatal asphyxia,  
12 you're saying they should have diagnosed chronic,  
13 long-standing perinatal asphyxia?

14 A. Not at all. What I'm saying is that in  
15 1982, and we went right through things like what are  
16 the traditional signs of cytomegalovirus, and you  
17 named them very elegantly. Okay. The traditional  
18 teaching in terms of standard of care -- and I'm not  
19 going to get into details beyond this statement --  
20 okay? -- were such that I don't condemn those  
21 gentlemen and ladies for what they did. I'm just  
22 saying that it is that like a Monday morning  
23 quarterback. In 1992, having told you I've learned a  
24 lot in the last three years let alone 20 years, 1992 I  
25 can see proof positive in my opinion that the very



1 long-standing causes were far more important than  
2 anything that they opine --

3 Q. Okay.

4 A. -- was not appropriately done in the  
5 medical management.

6 Q. So if I understand you right, if they now  
7 have the same knowledge you do in 1992, they should be  
8 able to relook at this chart, look at the elevated  
9 nucleated red blood cells, and now, with the benefit  
10 of ten years' newer knowledge, they should say to  
11 themselves, "Wait a minute, we have a long-term,  
12 chronic asphyxial event causing this brain damage'!?"

13 A. I believe that open-minded individuals  
14 would thus change opinion.

15 Q. Okay., Now I've found it. And how their  
16 opinion should change is that they should understand  
17 that this brain damage didn't occur from fetal  
18 distress and asphyxial episode occurring a few hours  
19 before birth. They should now understand, based on  
20 new knowledge, that this was a process that was in  
21 effect for at least several days or weeks before  
22 delivery?

23 A. Examples that I am claiming --

24 Q. Is that yes?

25 A. Yes. Examples that I am claiming is that

1 it's not the count of 11 that concerns me as much as  
2 the timing of when it was 11 and the fact that, at the  
3 same time that there was an enormously high count and  
4 presumably many hours beforehand, the NRBC would have  
5 been even higher.

6 Q. Now, when you say, with the benefit of  
7 this ten years and the new knowledge, is the most  
8 important aspect of the new knowledge you're referring  
9 to the significance of nucleated red blood cells?

10 A. It's hard to say what is the most  
11 important, but that would be a major factor.

12 Q. All right. Is there any other one that  
13 you can point to that would be new knowledge now that  
14 could change their mind that wasn't knowledge present  
15 in 1992?

16 A. The fact that I believe that somebody  
17 like Dr. Alford, A-l-f-o-r-d, would confirm that babes  
18 with CMV do not have to have hepatosplenomegaly,  
19 purpura, calcifications in the brain, et cetera.

20 Q. Okay.

21 A. So I think that is something that we've  
22 learned more in the last ten years; and I would hope  
23 that an expert like Dr. Alford **would** confirm that **what**  
24 I've said is true rather than false.

25 Q. Okay. Now, in that light, has Mr. Ratner

1 told you that they did in fact consult some infectious  
2 disease experts?

3 A. I believe that they consulted Dr. Alford,  
4 I believe. But again, there's been so much that I've  
5 been told, you know, in this case, that I could be  
6 wrong. Do you know what I'm saying?

7 a. Well, I've learned from other depositions  
8 what I'm asking you.

9 A. Uh-huh. I could be wrong, but I believe  
10 that they've consulted Dr. Alford.

11 Q. All right. Would it be true that you  
12 recall they consulted more than one infectious disease  
13 expert?

14 A. I would have to believe they did. I  
15 mean, they consulted, for example, Dr. Benirschke as  
16 well as Dr. Altshuler on the placenta, so I would have  
17 to believe that they conscientiously sought more than  
18 opinion. But I don't remember. As I say, I'm  
19 exhausted by all these charts, you know.

20 Q. Have they ever told you that any expert  
21 they consulted with expressed an opinion that this  
22 child did in fact have CMV as the cause of the brain  
23 damage?

24 A. You know, I think that it was with this  
25 case, but I couldn't swear do it, that Dr. Alford --

1 Q. Uh-huh.

2 a. -- said that it was probably CMV. But I  
3 couldn't swear to it because you have to realize I've  
4 consulted not just on legal cases but in other cases,  
5 you know --

6 Q. Okay.

7 A. -- where we're all in a club together in  
8 a sense, and I lose track as to whether on oath it was  
9 this case. But I think in this case he was involved.  
10 But it will be on the records.

11 Q. All right. Now, have they also told you  
12 that there have been other infectious disease experts  
13 who could not make a connection between congenital  
14 infection and the brain damage?

15 A. I don't -- I don't remember that, you  
16 know.

17 Q. All right. Now, fair to say you have not  
18 seen any reports sent to you that makes that  
19 connection from an expert in congenital infections?

20 A. I honestly can't remember whether I saw  
21 anything like that per se from Dr. Alford.

22 Q. Okay. Well, any doctor? Have you seen  
23 any expert report where --

24 A. No, I can't remember. But to be honest  
25 with you, the opinions of many people don't interest

1 me unless I know that they're recognized authorities  
2 in the field.

3 Q. All right. Recognized authorities in the  
4 field of placental pathology --

5 A. No, no. We're talking infectious  
6 diseases here.

7 Q. Yeah. Let me talk placental pathology  
8 for a moment. We have yourself, Dr. Benirschke, is  
9 there a Perrin?

10 A. Perrin, knowledgeable, yeah.

11 Q. Driskill?

12 A. Yes.

13 Q. N-a-e-y-e, Naeye?

14 A. Yes.

15 Q. Anybody else?

16 A. Well, you know, I can't get caught in  
17 this because these depositions get read and then  
18 people get offended in terms of what does Geoffrey  
19 think of them. Let me just say that those five people  
20 have been excellent illustrations of my suggestion to  
21 you that one needs to have been doing these things for  
22 20 or more years before one has a chance of being an  
23 expert, you know.

24 Q. Those are all five experts?

25 A. They're five people who have been looking

1 at material for a long time for various reasons in  
2 different locations, from different points of view,  
3 but I think they deserve respect as having done a lot  
4 of work with placental diseases.

5 Q. All right. Now, back to the nucleated  
6 red blood cells, got sidetracked.

7 A. Yeah.

8 Q. Can a patient have some hypoxia, as  
9 indicated by elevated nucleated red blood cells, but  
10 the hypoxia is not so severe as to warrant Dr.  
11 Altshuler using the words, quote, chronic fetal  
12 asphyxia, unquote, as being applicable to the fetus?

13 A. What I believe, and in fact don't  
14 believe, opine or is my strong opinion, that in the  
15 absence -- okay? -- in the absence of an acute blood  
16 loss, say, for example, a fetal placental vessel that  
17 got torn, and there was acute blood loss for two hours  
18 before they got the babe out, for example, or a very  
19 large placental separation with bleeding and so forth,  
20 including the concept of blood loss of a fetus from  
21 overwhelming sepsis where there's no question about  
22 the diagnosis that the babe is obviously septic and  
23 obviously has a lot of breakdown of red cells, you  
24 know. In the absence of things like that, in my  
25 opinion, elevated nucleated red cells mean, in my own

1 personal experience, at least 24 hours of fetal  
2 hypoxia, and as I indicated in the experience of  
3 experimental physiologists, fetal physiologists, at  
4 least three or more days of chronic hypoxia.

5 Q. All right. I don't think you answered my  
6 question. Okay? What I'm getting at is: Can you  
7 have elevated nucleated red blood cells with hypoxia  
8 but the hypoxia is not so severe that it causes brain  
9 damage?

10 A. We're talking probability, so it's  
11 implicit, according to my answer in the absence of  
12 those two things on the caveat, that there is  
13 proximate cause there, in my opinion. I can't tell  
14 you whether it's 90 percent or 100, but I would say  
15 it's certainly significantly more than 50 percent.

16 Q. So that I understand your answer, you're  
17 saying almost always, when you have an elevated  
18 nucleated red blood cell count, the hypoxia is going  
19 to be severe enough to cause brain damage?

20 A. No, because when you use terms like  
21 "almost always," that takes it right out of the intent  
22 of my answer. I told you I would not be so stupid as  
23 to say 95 percent, which is almost always. Let me put  
24 it to you another way. I can't recall ever having  
25 been convinced that a normal babe ever had elevated

1     nucleated red blood cells.

2             Q.     Okay. Let me put it my way. Will you  
3     agree that a babe can have a nucleated red blood cell  
4     count of 11 and not have suffered hypoxia so severe  
5     that it caused the brain damage? Is that possible or  
6     not?

7             A.     It is absolutely possible. And again,  
8     you cannot divorce the 11 from what I told you. The  
9     time that the specimen was taken -- number one, the  
10    time that the specimen was taken; number two, the  
11    amount of medical intervention that had occurred in  
12    terms of how much fluids that had been given the babe;  
13    and number three, what was the absolute count.

14            I mean, because 11 per 100 white cells  
15    against a total count of 11,000 is enormously less  
16    immature red cells than when you're talking towards  
17    the 40,000 mark total count.

18            Q.     Would you -- I'm going to talk to you  
19    about -- we're still on the negative findings in your  
20    report. Would you agree that there is a lack of being  
21    reported in your reports fetal fibrin intimal  
22    inclusion vascular lesions?

23            A.     That's right. If you're talking about a  
24    numerical lack, that's right.

25            Q.     Okay. Would you agree in your report



1     there is a lack of being reported fetal placental  
2     thrombi?

3             A.     No, **that's** not true because I think  
4     that --

5             Q.     Numerically.

6             A.     I think that the picture's different if  
7     you recognize that, when I described end stage  
8     thrombotic lesions and avascular lesions, they are all  
9     part of the spectrum of the thrombosis. It's ongoing.

10            Q.     I'm having a little trouble with that  
11     answer, but that's my fault. Let me ask it this way.  
12     You know, I've looked at this report.

13            A.     Right.

14            Q.     And I don't see where you report a  
15     significant number of fetal placental thrombi. You  
16     know, you can look at that before you answer.

17                    (Whereupon, an off-the-record discussion  
18     was had.)

19                    THE WITNESS: Okay. In bold font of the  
20     computer typewriter --

21     BY MR. PROCHASKA:

22            Q.     Which one are we talking about? One or  
23     two?

24            A.     On page three of the first report, March  
25     24, at which time I did not have any clinical history,

1 in bold font --

2 Q. Show me.

3 A. On page three. I think it was page  
4 three, wasn't it? Goodness, let's go off the record  
5 again. I guess I'm getting tired.

6 Okay, here it is. In bold font, page  
7 three, fourth line, starts actually on the third line,  
8 "Slide 1C additionally features," --and when I use a  
9 term like "features," I mean it's very prominent --  
10 chorangiosis, focal avascular villi, and slight focal  
11 villitis of unknown etiology.

12 In the photographs that I took of that  
13 slide, which you're welcome to have, the point that's  
14 going on in this placenta is that there are rare very  
15 recent fibrin thrombotic changes that I photograph,  
16 rare, okay? Then there are conspicuous older lesions  
17 of fetal thrombosis there. The end stage being a  
18 total lack of blood vessel. **It's** so obliterated now  
19 that the blood vessel has been lost. You see what I'm  
20 saying?

21 Q. Well, I don't see anywhere where you use  
22 the term "fetal placental thrombi" or that you see  
23 large numbers of them. Is that a fair statement?

24 A. That's an extremely fair statement.

25 Q. Okay. So can I just simply say that you

1 don't report a significant number of fetal placental  
2 thrombi?

3 A. Not in terms of the use of those words.  
4 And since this is a discovery deposition, the whole  
5 point is what does one mean.

6 When I use the term, and I suspect that  
7 the very few people who do placental pathology use the  
8 term, when they speak of avascular villi, they're  
9 talking about the end stage of what has been  
10 thrombosis within the fetal vessels.

11 Q. Well, let's put it this way.

12 MR. RATNER: He's not through with his  
13 answer.

14 THE WITNESS: And I believe that I have  
15 photographed that in here.

16 BY MR. PROCHASKA:

17 Q. All right. However you describe it, is  
18 there not noted, and however you describe it, a  
19 significant number of fetal placental thrombi? Now's  
20 that?

21 A. That's true. That's true. Not in terms  
22 of the use of those words.

23 Okay. And is it also true that there is  
24 not noted in your reports a significant number of  
25 hemorrhagic endovasculopathy?

1           A.     You're talking about -- you see this  
2     is -- and you don't mean to do it? Okay? But you're  
3     talking about this out of context because, for  
4     example, I've told you that you're welcome to have  
5     this. And here I've photographed and I say in slide  
6     ID, fetal fibr, f-i-b-r, and then throm clearly means  
7     thrombus. That's the intent and that's in slide 1D.  
8     In fact is the paragraph under the paragraph we just  
9     read. See, there's no question when you combine the  
10    written description with the labels here that --  
11    you're very welcome to have that in there. You've got  
12    fetal fibrin thrombotic change in slide ID, and you've  
13    got avascular villi in C. And now if I go to C,  
14    unfortunately I didn't also call it thrombotic in C.  
15    In the picture, not thus far. But that's it.

16           Q.     All right. I'm going to ask it again.  
17    We'll get onto something else because I don't  
18    understand your answer. You give me shorter answers,  
19    and we'll get done quicker because I'm not  
20    understanding your long ones anyhow.

21           A.     All right. Okay.

22           Q.     Do we have a lack of hemorrhagic  
23    endovasculopathy as opposed to a significant number of  
24    hemorrhagic endo --

25           A.     Yes, we have a lack.

1           Q.     Okay.  Would you agree that a lack of  
2     fetal fibrin intimal inclusion vascular lesions,  
3     comma, a lack of fetal placental thrombi, comma, and a  
4     lack of hemorrhagic endovasculopathy, comma, are  
5     aspects that negate against considering the venous  
6     sustained a significant degree of long-standing  
7     hypoxia?

8           A.     Absolutely not, because I think you've  
9     taken that out of context for reasons that I've  
10    explained earlier.

11          Q.     We're done.

12          A.     Okay.

13          Q.     Meconium staining, talk about that.

14          A.     Uh-huh.

15          Q.     You can have it without long-standing  
16    causation of brain damage?

17          A.     Yes.

18          Q.     You can have it with or without stress to  
19    the fetus?

20          A.     No, that's not true.

21          Q.     You only get it with stress to the fetus?

22          A.     Yeah.  Again, we're talking about  
23    semantics.  I didn't say distress, I said stress.

24          Q.     Can you have -- you can have meconium  
25    staining without chronic hypoxia?

1           A.     Yes.

2           Q.     You can have meconium staining without  
3 infection, congenital infection?

4           A.     Yes.

5           Q.     Meconium staining is common in a post  
6 term baby?

7           A.     Yes.

8           Q.     The vast majority of meconium-stained  
9 babies do perfectly well?

10          A.     No.

11          Q.     The vast majority of meconium-stained  
12 babies are damaged?

13          A.     We don't have formal studies in on that  
14 because it's never been studied. Not ever. There is  
15 not one study that has a seven-year epidemiologic  
16 follow-up of meconium.

17          a.     Meconium-stained babies by can be born  
18 normal or abnormal, but you aren't about to say if the  
19 majority of them are born normal or abnormal?

20          A.     What I'm saying is that the assumption  
21 has always been that many meconium stained babes are,  
22 quote, unquote, normal. But nobody has differentiated  
23 as to the length of time of the meconium, you know, to  
24 which the fetus is being exposed or -- or the  
25 neurological testing at seven years of age in

1 relationship to that length of time. So there are no  
2 studies that enable the question to be answered.

3 Q. All right. Would you agree that meconium  
4 staining is not always a manifestation of chronic  
5 fetal illness?

6 A. Agreed.

7 Q. Would you agree that because you have  
8 meconium staining in this baby and you have a means of  
9 augmenting or causing inflammation by the chemicals  
10 involved in meconium, that you can't conclude from the  
11 placental findings alone that congenital sepsis was an  
12 important factor in causing harm to this baby? Get  
13 the drift?

14 MR. RATNER: Which question do you want  
15 him to answer, the get the drift or the first one?

16 THE WITNESS: No. I mean, it's true --

17 BY MR. PROCHASKA:

18 Q. Is that a no or a yes?

19 A. It's really I don't understand your  
20 question. I'm not sure you do either.

21 Q. I don't either. I'll redo it.

22 (Whereupon, an off-the-record discussion  
23 was had.)

24 BY MR. PROCHASKA:

25 Q. We understand that meconium has chemicals

1 in it?

2 A. Yes.

3 Q. We understand it can cause inflammation?

4 A. Yes.

5 Q. We understand that it can cause  
6 inflammation --

7 A. Oh, I beg your pardon. Meconium by  
8 itself does not cause inflammation. You said earlier  
9 it potentiates it. Earlier you said yes, and that's  
10 what I'm agreeing to,

11 Q. All right. We agree meconium can augment  
12 inflammation?

13 A. Right. That we agree.

14 Q. Because meconium can augment  
15 inflammation, it makes it more difficult to tell if  
16 the inflammation is from infection or from the effects  
17 of meconium?

18 A. No, no. I mean, one of the very logical  
19 conclusions that one could make here was that the  
20 bacteria that I photographed were an inconsequential  
21 terminal contaminant. In other words, that they were  
22 not a meaningful player or actor in this scenario.

23 Q. All right. Let me see if you agree with  
24 this statement then. Because of the co-existence of  
25 meconium and the augmentation of inflammation by this



1 chemical, one cannot conclude from placental findings  
2 that congenital sepsis was an important factor in  
3 causing the bad outcome. Did you agree or disagree  
4 with that? And she'll read it back if you want.

5 A. No. I would prefer you to ask the  
6 question a different way and maybe I can answer it in  
7 a way that will clarify the intent of the question,  
8 because the question, to me, is extremely confusing,  
9 extremely.

10 MR. PROCHASKA: Why don't you read it  
11 back and see if he can handle it.

12 (Whereupon, the Court Reporter read back  
13 the material requested by counsel.)

14 THE WITNESS: Let me see if I can  
15 persuade you why that's not an appropriate question.  
16 Because the whole point here was there was no  
17 augmented inflammation.

18 BY MR. PROCHASKA:

19 Q. Because?

20 A. Now, the interpretation as to wh, -- if I  
21 tell you my interpretation, you're not going to  
22 like -- you're not going to like it. But the point  
23 is, there was no augmented inflammation in this case.  
24 We went through all that before. There were no big  
25 abscesses, there was not a lot of inflammation, et

1 cetera, et cetera, et cetera. There was none.

2 Q. Okay, fine.

3 A. Now, I would love, if this goes to trial,  
4 to answer, you know, my opinion as to why -- what I  
5 think that probably means, but you wouldn't want me to  
6 tell you that.

7 Q. Okay. Now, let me talk to you about --

8 A. That was meant to be on the record, my  
9 answer, incidentally so that I can pick up and --

10 Q. Talk to you about some of your findings.  
11 Okay? We had the finding of meconium, we talked about  
12 that. I think it's your opinion there was placental  
13 insufficiency?

14 A. Well, that's not a -- that's not a word  
15 that I use out of context. That's a  
16 pathophysiologist's term. I can talk about clinical  
17 abnormalities that seem to occur often with  
18 uteroplacental vascular insufficiency. Okay?

19 Q. Do you think there was utero placental  
20 vascular insufficiency in this placenta?

21 Q. Not in the traditional sense of what  
22 maternal-fetal medicine people mean.

23 Q. Okay. Let me talk to you about  
24 chorangiosis. The cause of chorangiosis is not  
25 definable. Would you agree with that statement?

1           A.     No, that's not true because I told you  
2     three hours ago that, in my opinion, there is  
3     experimental evidence which supports my hypothesis  
4     that chronic low-grade hypoxia causes it.

5           Q.     All right. Would you agree with me that  
6     it's been found to be associated with death in the  
7     fetus and newborn and malformation in the fetus and  
8     newborn?

9           A.     Yes, I have published that.

10          Q.     All right. Would you agree with me that  
11     it has not been published that it is associated with  
12     hypoxia and perinatal asphyxic events?

13          A.     Actually that's not true because, in my  
14     data, an epidemiologist who would review that would be  
15     very, very impressed that it is associated. In fact,  
16     I've given you the data.

17          Q.     Have you reported that it is also proven  
18     to be associated with hypoxia that can cause brain  
19     damage?

20          A.     The stud] that I have given to you has a  
21     table. And in that study, it clearly indicates that  
22     even when one adjusts for other pathologic placental  
23     changes, that chorangiosis is very meaningfully  
24     associated with clinically diagnosed neonatal  
25     asphyxia. In other words, diagnoses that were made

1 not by me but by colleagues of neonatal asphyxia.

2 Q. All right. So it's your opinion and do I  
3 understand it you have published that it's --

4 A. I am delighted to show it to you. I've  
5 given it to you in an exhibit already.

6 Q. We've spent enough time today.

7 A. All right.

8 Q. Have you published that it is also  
9 associated with perinatal asphyxia?

10 A. I have not made it the central part of a  
11 paper; but, yes, indeed, it's in the data, and it's  
12 there for anybody to review.

13 Q. Can I say you've made that statement  
14 somewhere in the literature?

15 A. Yes --

16 Q. All right.

17 A. -- if you would consider that a  
18 documentation of epidemiologic data, you know, says  
19 that, that would be true.

20 Q. Would you agree that chorangiosis is  
21 rarely encountered in normal pregnancies?

22 A. That's my opinion.

23 Q. **Would** you agree that it can be  
24 encountered in normal pregnancies?

25 A. Anything is possible, and so I would have

1 to agree, it's possible.

2 Q. Now, when you published your study on  
3 chorangiosis, is it fair to say that you didn't have a  
4 control group?

5 A. Oh, I did. I had an excellent control  
6 group. This has amused me a little bit in terms of  
7 what my -- what my mentor said because we have to  
8 understand what we mean by "control."

9 Q. Okay. In other words, he thinks, from  
10 his definition of it, you had no control; you think,  
11 from your definition of it, you did?

12 A. Well, we're talking semantics, and that's  
13 what would need to be explained either now or later.

14 Q. All right. Would you agree though that  
15 you can't draw a cause and effect relationship without  
16 a control group?

17 A. The control group is there already. It's  
18 just a matter of whether he agrees or disagrees about  
19 what we mean by "control."

20 Q. Let me ask you the hypothetical. Would  
21 you agree you can't draw a cause and effect  
22 relationship without a control group?

23 A. Depending upon how one defines "control,"  
24 yes.

25 Q. Chronic villitis, another one of your

1 findings: If you have long-standing chronic villitis  
2 of several weeks, would you expect to have a small for  
3 a gestational age baby?

4 A. I'd expect a 25 percent risk for reasons  
5 that I gave you already.

6 Q. Would you expect to have a microcephalic  
7 baby?

8 A. Well, it depends upon the use of words.  
9 I would not expect microcephalic, **but** I would expect  
10 that there would be a relatively smaller head with  
11 respect to the length of the fetus.

12 Q. All right. All I'm getting at is, if the  
13 chronic villitis has gone on for, say, longer than a  
14 month, you may expect the fetus to have a relative  
15 microcephaly and be small for gestational age?

16 A. No. The problem that other experts made  
17 for you in this case is that, when people use the word  
18 "microcephaly," they mean certainly less than the  
19 fifth percentile of brain size. They mean really  
20 very, very severely small brains. And you can't  
21 really use the term relative microcephaly. It's --  
22 what's the expression? Help me here.

23 Q. Relative micro?

24 A. What's the expression when you say  
25 something like people say facetiously an intelligent

1 bureaucrat? They're oxymorons.

2 Q. Yeah.

3 A. You can't use the word relative  
4 microcephaly. It's an oxymoron.

5 Q. You can't use that?

6 A. That's right.

7 Q. I thought you used it in your papers or  
8 not?

9 A. I think that I would prefer to feel that,  
10 in my papers, I hope to goodness that I've referred to  
11 relative smaller heads, but I don't really mean the  
12 terminology of, quote, unquote, microcephaly.

13 Q. I got a growth chart here that I put some  
14 -- the dots on for weight, length, and head size.

15 A. Are they the Ross charts, Lubchenco?  
16 That's Lubchenco. No, Babson, beg your pardon,  
17 Babson. Okay.

18 Q. Is that okay?

19 A. That's a very acceptable growth chart.  
20 Growth charts differ all around the country.

21 Q. Now, before we go any further --

22 A. Yes.

23 Q. -- because I haven't marked this, I've  
24 got a growth chart where I've plotted three dots. One  
25 is a 40-week and one is a 43-week. You tell me which

1 is the one we should mark for deposition exhibit.

2 A. This babe allegedly was 43 weeks, and  
3 some people say that in fact it was 8 days less,  
4 right?

5 Q. Well, yeah.

6 A. But in terms of charting, it's --

7 Q. You want us to mark both? I'm just  
8 wanting to know -- does Dr. Altshuler have an opinion  
9 if this is a term or a post term?

10 A. It's my opinion that it's post term, pos  
11 term meaning beyond 42 weeks.

12 Q. All right. Now, are you giving me the 43  
13 minus 8 days?

14 A. Well, I think that people who -- look,  
15 let's say it's 43 minus 8 days. I'm not going to  
16 quibble over the difference between whatever it is, 41  
17 weeks 6 days or 42 week. You know what I'm trying to  
18 say?

19 Q. We'll have marked as Deposition Exhibit  
20 No. 7 --

21 A. My sense is that if you chart it at 42  
22 weeks, recognizing that different authorities are  
23 going to have different anthropometric data, the point  
24 will remain the same, whether it's 42 weeks or 41  
25 weeks 6 days.



1 Q. All right. As 7, I have a -- Doctor,  
2 which one do you want, the 40 or the 43?

3 A. Well, let's go with both.

4 Q. Okay, that's fine.

5 A. Arguably it's 41 weeks and 6 days, is my  
6 understanding.

7 Q. Okay. We have both of them plotted on a  
8 Ross Laboratory growth record for infants. I'm going  
9 to hand them to you.

10 A. Uh-huh.

11 Q. Do you have the -- I just want to have  
12 you admit that the dots are in the right locations.  
13 If you need the data, I'll give it to you right now.  
14 Do you need the data?

15 A. Well, it would be nice because everybody  
16 can make a mistake. You can make a mistake.

17 Q. That's fine.

18 (Whereupon, an off-the-record discussion  
19 was had.)

20 THE WITNESS: Just in anticipation of  
21 your point, I just happen to have a ruler with me.

22 BY MR. PROCHASKA:

23 Q. Okay. I'm handing the doctor the  
24 neonatal admission note so that you can look at it and  
25 then see if the two pages that are marked Deposition

1 Exhibit 7 accurately reflect three red dots on it  
2 being placed in the appropriate spot on the graph.

3 A. Okay. So you have a length of 51.5  
4 centimeter, and head circumference of 34, and a weight  
5 of 3,572, which exactly what I have on my chart.  
6 Okay?

7 I have a chart of Lubchenco, you have a  
8 chart of Babson, both charts being under the title of  
9 Ross Laboratories, just so the record is clear. All  
10 right? Because my Exhibit No. 3 is a Ross Laboratory  
11 chart, same as what we're now discussing, only it's  
12 from Lubchenco as opposed to yours, which is Babson.

13 Okay. So if we look at head  
14 circumference, your head circumference, which is the  
15 top part of this graph, is probably minus one standard  
16 deviation, if I interpret this chart correctly, and I  
17 believe I am. And you should please correct me if I'm  
18 wrong. It is minus one standard deviation because the  
19 dotted line -- broken dotted line is minus two  
20 standard deviation, and it's almost in the middle,  
21 right? Would you agree with that?

22 Q. You know it better than I do.

23 A. Well, I'm merely saying that, if you just  
24 look at the black point here and the dotted point,  
25 it's close enough getting down towards minus one

1 standard deviation.

2 Q. All right. The dotted line is what you  
3 are calling a standard deviation?

4 A. No. The broken dotted line, according to  
5 this chart, is minus two standard deviations.

6 Q. Okay.

7 A. So my sense is --

8 Q. In between is about one?

9 Q. In between is about 1?

10 A. Minus one.

11 Q. All right. Just a minute now.

12 A. Okay.

13 Q. Let me -- I'm going to have these  
14 marked --

15 MR. PROCHASKA: These are aren't marked  
16 yet.

17 THE COURT REPORTER: No, I haven't had a  
18 chance.

19 MR. PROCHASKA: Let's mark the 40-week  
20 one as 7A and the 43-week one as 7B.

21 (Whereupon, Plaintiff's Exhibit No. 7A  
22 and 7B were marked for identification.)

23 BY MR. PROCHASKA:

24 Q. Doctor, I've had you look at Deposition  
25 Exhibit 7A and B; is that correct?

1           A.     Yes.

2           Q.     Each exhibit has three red dots on it; is  
3 that correct?

4           A.     Yes.

5           Q.     After you've examined where those three  
6 dots are placed on each exhibit, will you agree that  
7 the'y are placed in the appropriate location?

8           A.     Yes.

9           Q.     As I note from your expert report, you  
10 make a comment that Kathleen's head was at a smaller  
11 percentile than the length of her body; correct, sir?

12          A.     In the relative sense. I would call this  
13 intermediate asymmetric growth retardation of the  
14 head.

15          Q.     Okay. And you recognize that apparently  
16 as having some significance; correct, sir?

17          A.     Yes.

18          Q.     And it has enough significance that, to  
19 you, it is support for the fact that the head did not  
20 grow like the body length because of an injury the  
21 head suffered antinatally?

22          A.     In my opinion, taken in isolation, this  
23 is not meaningful. Taken in isolation, it is not  
24 meaningful. But if one would refine this and put it  
25 into the anthropometrics of a 21-year-old primipara,

1 white, and come up with pretty similar anthropometric  
2 conclusions as you are illustrating, if I would  
3 combine that information with the chorangiosis, with  
4 the villitis, with the elevated nucleated red cells,  
5 all of those factors are known associations that the  
6 one with the others with what, in my opinion in this  
7 specific case, is an intermediate asymmetrical fetal  
8 growth retardation.

9 Q. It's your opinion then, as you look at  
10 Exhibit 7A and 7B, Dr. Altshuler could not use the  
11 term, quote, normal, unquote, in terms of head size  
12 for Katie Hoyt at the time of birth?

13 A. Normal is an extremely provocative word.  
14 I will insist that, taken out of context, this graph,  
15 particularly which does not address a 21-year-old,  
16 white primagravida's data, this graph is meaningless.  
17 In the context of what I've answered already on the  
18 record, I think it is compelling evidence that there  
19 had been intermediate asymmetrical fetal growth  
20 retardation, meaning that relative to the length of  
21 the body, the head is smaller.

22 Q. All right. Is the head circumference, in  
23 your opinion in the context of this case, abnormal?

24 A. Yes.

25 Q. In the context of this case, a 34

1 centimeter head for a 40-week or a 40-week baby is  
2 not, quote, normal, agreed?

3 A. Emphasizing that the word "normal" is a  
4 provocative word, yes.

5 Q. It is your opinion that the deviation in  
6 percentiles between size of head and length of body is  
7 a meaningful deviation?

8 A. That is my opinion. And I like the word  
9 "deviation." Other people might call it deviant fetal  
10 growth.

11 a. Would you agree with me that many, many  
12 normal people -- excuse me -- babies are born with a  
13 deviation between head size and body length?

14 A. Only in the limitation of the word  
15 "normal," which is, again, a very provocative word.

16 Q. Would you agree with me that many healthy  
17 babies that are not damaged in any way are born with  
18 head sizes smaller than body length as pertains to  
19 percentile?

20 A. In the context of apparently healthy,  
21 yes, I would agree with you.

22 Q. Would you agree with me that, as far as  
23 the birth of babies goes, variation in percentile  
24 between head size and length is a relatively common  
25 finding in healthy undamaged babies?

1           A.     Yes.

2           Q.     Is it your opinion that use of the term  
3 "relative microcephaly" is an inappropriate term to  
4 use in this case?

5           A.     What I've said is that I would hope that,  
6 in my publications, I could have avoided that term  
7 because I think, in the strict sense, it's an oxymoron  
8 because microcephaly implies severe smallness  
9 independently of other factors.

10                   And what I'm saying in this case is that  
11 you have to put it in the context of the chorangiosis,  
12 the nucleated red blood cells, and the chronic  
13 villitis to recognize that the postnatal growth of  
14 this head should have been bigger.

15           Q.     All right. You used the Ross  
16 Laboratories -- well, I used a Ross Laboratories  
17 growth record. Are those growth records acceptable  
18 and appropriate?

19                   MR. RATNER: I'm going to object to the  
20 form of the question. He's already explained that you  
21 used Babson and he used something else.

22                   THE WITNESS: I would not claim -- let me  
23 just clarify the answer. I would not claim that mine  
24 is a better record. In fact, many people have pointed  
25 out that the Lubchenco chart is not an optimal chart

1 because it comes from a population base that is very  
2 much above sea level in the City of Colorado.

3 What I've said is, the optimal -- the  
4 optimal anthropometric chart would be a white  
5 primiparous population of mothers. And all I'm trying  
6 to do is to point out that it doesn't matter really  
7 whether you take your chart or my chart or another  
8 chart. The end point, I guarantee you, you will find  
9 is that, in my opinion, there is a disproportion  
10 between the head size and the length.

11 34 centimeters in isolation is just fine.  
12 Just fine. But 34 centimeters along with 51.5  
13 centimeter length and the chorangiosis and the  
14 villitis and the et cetera that I've said already, in  
15 my opinion, is intermediate asymmetrical growth  
16 retardation.

17 Q. Can a baby be born with evidence of  
18 chorangiosis, chronic villitis, and a head smaller  
19 percentile-wise than its length have all the placental  
20 findings that you found and still be born  
21 unbrain-damaged?

22 A. I think that's entirely possible because  
23 many of the cases of chorangiosis have anomalies  
24 rather than neonatal asphyxia. Anything is possible;  
25 but in terms of probable, it is probable, in my



1 opinion, that, if all those factors were present, that  
2 you would have some brain damage.

3 Q. Would you agree that, in most cases where  
4 chorangiosis is found, the baby is normal?

5 A. I would disagree.

6 Q. Would you agree, in most cases where  
7 chorangiosis is found, the baby does not have brain  
8 damage?

9 A. I don't have the data on that, and so I  
10 couldn't really comment. And I don't think anybody --  
11 in fact, to my knowledge, nobody else has that data.

12 Q. And lastly, is the use of the Ross Lab  
13 growth charts on Exhibits 7A and B acceptable with  
14 you?

15 A. Within the bounds of what I've mentioned,  
16 the ideal chart being what I've said.

17 Q. All right. Is it your opinion -- I guess  
18 it's your opinion on page three of your second report  
19 that there is a short umbilical cord?

20 A. Yes. Again, in isolation, I do not think  
21 that that is dramatically short, but there's no  
22 question in my mind it is short.

23 Q. Is 30 centimeters acceptable to you as a  
24 normal length?

25 A. I disagree with Dr. Benirschke. I think

1 that 30 centimeters is extremely short. I think the  
2 literature would show that Dr. Benirschke has been  
3 ultra conservative in saying 30 centimeters because my  
4 sense is, to be less than 40 centimeters is short.

5 Q. All right. And when I you say "less than  
6 40" -- I think that you measured 35 for this?

7 A. Yes.

8 Q. Would you agree that there was some cord  
9 that was clamped and left on the baby?

10 A. Yes.

11 Q. Would you agree it could approximate five  
12 centimeters?

13 A. I would definitely agree it could. I  
14 have no way of knowing. My sense is that not many  
15 people leave five or six centimeters with the baby  
16 unless they're doing a research project and need some  
17 umbilical cord.

18 Q. Now, I want to talk to you about how you  
19 became involved in this case. How well do you know  
20 Dr. Dan -- well, do you know Dr. Dan Roberts?

21 MR. RATNER: You started out the  
22 deposition -- we've gone into this at length.

23 THE WITNESS: It's on the record. It's  
24 on the record.

25

1 BY MR. PROCHASKA:

2 Q. Do you know that Dr. Dan Roberts heads  
3 the OB/GYN Department at Wesley?

4 A. I believe so because I was a visiting  
5 professor there, as I've said.

6 Q. At Wesley?

7 A. Yes.

8 Q. Okay.

9 A. I mean, a long time ago, but I doubt that  
10 I would have remembered what he looked like then, you  
11 know?

12 Q. Has Dan Roberts talked with you about  
13 this case?

14 A. No.

15 Q. When you visited with Maggie Roberts, did  
16 you eventually suggest that Dr. Benirschke be another  
17 gentleman who could review this case?

18 A. I don't remember. I think most people in  
19 this country know my strong professional and personal  
20 friendship with Dr. Benirschke. I suspect the same as  
21 you ask me the question, you know, are there any other  
22 placental pathologists in this country?" Knowing how  
23 conscientious Maggie Roberts is, she probably asked  
24 me, you know, what I think, and obviously I would have  
25 answered. But, you know, she would realize that he is

1 famous because she's worked in this area.

2 Q. Would it be fair to say that your memory  
3 doesn't serve you well, you may have suggested him,  
4 you may not, you simply can't recall?

5 A. Yes.

6 Q. Would it be fair to say you may have  
7 suggested she also contact Bradley Schaefer?

8 A. Oh, no, I don't think I ever said that.

9 Q. Would it be fair to say you may have  
10 suggested they contact Pat Barnes?

11 A. No, I don't think so. Pat Barnes has  
12 long since left Oklahoma.

13 Q. John Bodensteiner?

14 A. I don't know about John. I may have in  
15 the sense that John left a lot after Pat Barnes, and  
16 if Pat -- at least if Maggie Roberts would have asked  
17 my opinion of an outstanding neurologist, I  
18 unhesitatingly would name John Bodensteiner. So it's  
19 possible she did ask and that I certainly would have  
20 made that recommendation.

21 Q. All right. You know John Bodensteiner,  
22 Pat Barns, Bradley Schaefer, do you not, sir?

23 A. Yes. As I've said, Pat Barnes was in  
24 Oklahoma many years ago. Brad Schaefer left some few  
25 years ago and so did Bodensteiner.

1 Q. Have you come to learn through Maggie  
2 Roberts that because they all know each other in one  
3 manner or another they were recommended to her to be  
4 contacted in this case?

5 A. I suspect that as unlikely, I suspect,  
6 because I think John Bodensteiner probably would have  
7 worked with people who would have known more about  
8 infectious diseases -- I'm not being disrespectful now  
9 of Brad -- than Brad. In other words, I would **never**  
10 assume that what you've said is true.

11 Q. Okay. Do you know the neonatologist,  
12 Bloomer Nelson, at Wesley?

13 A. No.

14 Q. Do you know Dr. William Svoboda?

15 A. No.

16 Q. Have you talked with any of the experts  
17 in this case?

18 A. No, not even Dr. Benirschke. However, at  
19 this point, I believe that I will since I have now  
20 been privy to his deposition.

21 Q. Why will you talk to him?

22 A. Because I will, you know, as I  
23 continuously interact with him in general, mention to  
24 him that I assumed that he knew I was going to handle  
25 **it.**

1 Q. Okay.

2 A. And then I'm assuming he's going to say,  
3 "Geoff, you're dead right."

4 Q. Drs. Driskill and Naeye?

5 A. Naeye

6 Q. Dr. Driskill wrote a book with Dr.  
7 Benirschke?

8 A. Yeah.

9 Q. Do you know that? Okay. Dr. Naeye wrote  
10 a book?

11 A. He has written a book that came out last  
12 year or in the last few months.

13 Q. Do you have it?

14 A. Yes.

15 Q. **How** did you get it?

16 A. Well --

17 Q. I mean, did you go out and buy it?

18 A. Well, the truth is both. I bought the  
19 book. I was probably one of the first people to buy  
20 it thinking I needed to support a colleague. But as  
21 it just so happens, he gave me a personally inscribed  
22 copy, quote, unquote, "To Geoff for our many years  
23 friendship."

24 Q. Okay.

25 A. So both.

1 Q. Dr. Naeye, does he seem to involve  
2 himself in finding connections between abnormal  
3 placentas and injured babies?

4 A. Dr. Naeye, for many years, I think would  
5 be the first person to describe himself as a person  
6 who's pursued the epidemiologic association between  
7 placental abnormalities and the outcome of  
8 pregnancies.

9 Q. Okay.

10 A. And he's been doing that for many years.

11 Q. All right. Was -- and I -- from your  
12 understanding, is he doing more of that than anybody  
13 that you know of? You know, more than yourself and  
14 Benirschke, Driskill, and Perrin?

15 A. Yeah. I mean, I think this is an  
16 excellent question. What he has done is -- for which  
17 I commend him, he has taken the collaborative  
18 perinatal study data, which is way out of date  
19 relative to modern issues, and he has, within the  
20 limitations of that study, gone about as far as he can  
21 go with raw data. And then the question of what does  
22 it mean is tested by colleagues, clinical colleagues,  
23 pathologist colleagues, and so forth.

24 Q. Without being overly generous to the man,  
25 has he probably done more work in trying to draw

1 information about connections between bad placentas  
2 and bad outcomes than any placental pathologist you  
3 can think of?

4 A. No, I don't think that's a valid  
5 statement because what I'm saying is -- that's why I  
6 prefaced it by crediting him with the collaborative  
7 perinatal study -- he has taken a database that has  
8 nothing to do with fetal cardiac monitoring, because  
9 none of that was done at the time of the perinatal  
10 study, it has no data to do with mycoplasma and many  
11 of the agents that are now, you know, prevalently  
12 studied, and he has, with that focus, definitely been  
13 preeminent as a person who's amassed an enormous  
14 amount of global data.

15 But I think that that doesn't mean that  
16 he's necessarily done the most study, quote, unquote,  
17 of the relationship.

18 Q. I'm just trying to find out if he has  
19 more expertise or more knowledge or more experience in  
20 the area than Drs. Benirschke or Driskill or Altshuler  
21 or Perrin.

22 A. I don't think he does. Again, it relates  
23 to the specific question that you're asking. If you  
24 will look in that book, you will see that, in the area  
25 of meconium, he has cited my work because, in the same



1 way as I've encouraged Dr. Benirschke to do this, I  
2 encourage Dr. Naeye to do it, and he did it. So we  
3 interact, and I have respect for what he has done.

4 Q. Do you know a Dr. Tom Bryant, the  
5 obstetrician here in Oklahoma City?

6 A. No, I don't actually. Tom Bryant? I  
7 can't place him.

8 Q. All right. Now, as I look at your  
9 conclusion, you say, "After exhaustive review of the  
10 medical records, I find no reason to consider that  
11 negligence caused Kathleen's bad outcome."

12 Now, you've reviewed the depositions and  
13 you've reviewed the records. And is it clear that the  
14 chart raises a question or the depositions and the  
15 chart both raise a question about the misuse of  
16 Pitocin when either CPD is present or late  
17 decelerations are present?

18 A. No. In truth, I don't believe I've  
19 reviewed, quote, unquote, depositions, plural, like  
20 that. I mean, I have reviewed Dr. Benirschke's  
21 deposition, and I can't think at length of having  
22 reviewed the specifics of an expert.

23 I looked at Schiffrin's letter, but I  
24 don't think that I'm aware that Schiffrin feels that  
25 there was negligence. But I don't think that, in any

1 way, that Schifffrin's deposition was ever given to me,  
2 and if it was in any form, then -- you know, I don't  
3 know.

4 MR. RATNER: I don't think you've had any  
5 depositions except Benirschke's.

6 BY MR. PROCHASKA:

7 Q. Have you at least because you -- have you  
8 at least in your experience come to learn about  
9 whether it is -- that there is a question of  
10 appropriateness in using Pitocin when CPD is present?  
11 Have you at least learned that?

12 A. Well, I'm aware of a lot of things upon  
13 which I won't comment with a patently obviously  
14 clinical standards in the maternal-fetal medicine, you  
15 know.

16 Q. Have you also learned from your  
17 experience that, when a mother goes a long time with  
18 contractions and she's not progressing and still not  
19 delivering, still at minus two station, that -- have  
20 you learned at least that that has been associated  
21 with CPD?

22 A. It depends again on the context. I can't  
23 possibly address clinical standards of care issues  
24 because definitions and semantics, as we've spent a  
25 lot of time talking about, the same thing applies to

1 the maternal-fetal medicine. So I'm not going to  
2 address clinical hands-on management.

3 Q. Have you -- when you looked at the chart  
4 in this case, did it raise a question in your mind  
5 about a failure to deliver a distressed fetus was a  
6 potential cause of the bad pregnancy outcome?

7 A. No.

8 Q. When you review charts, do you sometimes,  
9 whether for plaintiff or defense, do you sometimes  
10 take note of that, that maybe a failure to deliver a  
11 distressed fetus directly caused a bad pregnancy  
12 outcome?

13 A. Oh, absolutely. I believe I went to two  
14 trials for plaintiffs on matters relevant to that in  
15 the last year or two.

16 Q. Okay.

17 A. I mean, in behalf of patients.

18 Q. Now, when you say you went to trial on  
19 behalf of plaintiffs, what were the names of the two  
20 plaintiff lawyers?

21 A. Well, I did a video deposition, which I  
22 assume is acceptable as trial -- I mean, I didn't  
23 actually physically go there -- for a Mr. Ira  
24 Rosenberg.

25 Q. Where is he at?

1           A.     And he is in Bucks County, Pennsylvania,  
2     and the video deposition had to be given in  
3     Philadelphia.  And --

4           Q.     What's his first name?

5           A.     Ira, I-r-a, last name is Rosenberg,  
6     R-o-s-e-n-b-e-r-g.

7           Q.     And what city is he in?

8           A.     Well, he's -- how can I tell you?  He's  
9     in Bucks County, and I'm sure you can find him through  
10    the American Trial Lawyers Association.  I mean, they  
11    would have him listed.  And another one was  
12    Schlaprizzi, S-c-h-l-a-p-r-i-z-z-i.

13          Q.     What --

14          A.     And he is in St. Louis, Missouri.  And my  
15    point is, in terms of the intent of the answer, sure  
16    I've looked at -- in clinical issues and have felt  
17    that it was questionable in my mind, insisted that I  
18    would not be a maternity or medicine expert, but I've  
19    looked at it and had my suspicions.

20          Q.     Okay.

21          A.     And felt reassured that I was correct in  
22    giving, you know, my expertise to the causation side  
23    of the case.

24          Q.     Did you have your suspicions about a  
25    misuse of Pitocin or failure to deliver a distressed

1 baby in this case?

2 A. I did not.

3 Q. Would you feel comfortable in saying, if  
4 that was obvious from the chart or the depositions,  
5 you would have those suspicions?

6 A. I would say that if -- if an educated  
7 materno-fetal medicine person could prove that to me,  
8 then I'd be dealing with to what extent was damage  
9 done before the error. You see what I'm saying?

10 Q. Yes.

11 A. And the bottom line would be that -- my  
12 sense is that it would have to have been done  
13 antinatally for the reasons given, mainly since this  
14 is a discovery deposition, for the additional reason  
15 that, in the systemic recovery of the babe, who did  
16 not have intractable hypoglycemia, who did not have  
17 necrotizing enterocolitis, who did not have massive  
18 liver enzyme changes, who did not have persistent  
19 renal failure problems, my sense is that I would view  
20 that as an antinatal thing and that your allegation  
21 would still be, in my opinion, not the proximate cause  
22 of the bad outcome.

23 Q. My question, you are unable to separate  
24 how much damage this baby suffered from a congenital  
25 infection versus the hypoxic -- chronic hypoxic

1 insult?

2           A.     Well, I believe I can because I believe  
3 I've literally just answered it. When you will reread  
4 that transcript, you'll see what I'm saying. Because  
5 of the lack of a whole bunch of clinicopathologic  
6 correlative things that would go along with, in my  
7 opinion, intrapartum overwhelming damage, that  
8 strongly reinforces that the bulk of the damage in my  
9 opinion was done prepartum.

10           Q.     Okay. But -- and I understand that.  
11 What I'm saying is, although the **bulk** of the damage  
12 was done prepartum, are you able to say how much of  
13 that bulk was done by the congenital infection and how  
14 much was done by the hypoxia?

15           A.     Well, we're splitting hairs because what  
16 I've said to you is that the hypoxia has been there  
17 for a long time, and I'm not denying that there was  
18 hypoxia in the birth process. Quote, unquote, normal  
19 pregnancies with normal fetuses with normal deliveries  
20 have hypoxia of the fetus.

21                     But what I'm saying is, for all practical  
22 purposes, in my opinion, that the bulk of the damage  
23 was done in terms of proximate cause prepartum, not  
24 intrapartum, for the reasons that I gave you and the  
25 long recitation earlier.

1           Q.     I guess what I'm asking: Is the damage  
2 from the congenital infection part and the damage from  
3 the chronic hypoxia part, are we talking about two  
4 sources causing injury, or are those both one in the  
5 same causing jury?

6           A.     What you're really asking me to do, which  
7 is eminently reasonable, is to translate what I mean  
8 by the word "bulk." And if I would say to you that at  
9 least 75 or more percent or 80 or more percent of this  
10 damage, for all practical purposes, was done  
11 beforehand and that the other 20 percent difference  
12 would be such that it wouldn't have, in my view,  
13 altered the quality of life difference any  
14 differently, that's the perspective.

15          Q.     All right. Now, the 20 to 25 percent of  
16 the damage, when did that occur?

17          A.     That would be a very loose, wild  
18 hypothesis to me because what I've clarified for you  
19 is that this babe, in my opinion, did not have the  
20 overwhelming acute shock-like damages of an  
21 intrapartum overwhelming onslaught upon kidneys,  
22 heart, liver, gastrointestinal tract, things like  
23 necrotizing enterocolitis, et cetera, et cetera.

24                 So what I'm saying is that my sense is  
25 that very, very little of this babe's damage was

1 inflicted by any alleged, alleged, negligence on the  
2 part of the intrapartum management.

3 Q. If I understand you, the 75 to 80 percent  
4 of the damage, in your opinion, occurred before the  
5 intrapartum events, and what happened in intrapartum  
6 events may have caused 20 to 25 percent?

7 A. I don't want to confuse you because, for  
8 example, today is Friday. Last Tuesday I might have  
9 said 85 percent and 15 percent. What I'm conveying is  
10 and what I said on the record is that, for all  
11 practical purposes in terms of difference in quality  
12 of life, I don't think there would have been a  
13 difference.

14 Q. I understand that --

15 A. And I cannot really get hung up on 80  
16 percent or 85 --

17 Q. That's fine.

18 A. -- or 79.5.

19 Q. Fine. I'm not going to hold you to that.  
20 But what I would like to find from you is, whereas  
21 you're agreeing the majority happened antinatally --

22 A. No, I said substantial majority.

23 Q. -- majority --

24 A. -- bulk, major, great impact thing.

25 Q. -- happened --



1           A.     Prepartum.

2           Q.     Prepartum, okay. The lesser damage  
3 happened intrapartum?

4           A.     Yes.

5           Q.     I just want you to tell me is intrapartum  
6 appropriately defined as approximately five hours or  
7 less before delivery?

8           A.     I would give you 24 hours before  
9 delivery.

10          Q.     Okay. That's fine. That's all I'm  
11 after.

12          A.     Yeah.

13          Q.     Now, I'm going to review my notes here,  
14 Doctor, and just see what things I've left out.

15                   (Whereupon, an off-the-record discussion  
16 was had.)

17 BY MR. PROCHASKA:

18          Q.     Your report doesn't comment on any  
19 placental lesions that are characteristic of the TORCH  
20 infections?

21          A.     That's not true.

22          Q.     Okay.

23          A.     I mean, we spent an enormous length  
24 talking about villitis, and that is characteristic of  
25 TORCH infections.

1           Q.     All right.  Would it be -- I know we have  
2 villitis, but if we look at the placenta as a whole,  
3 do we not have placental inflammation?

4           A.     I mean, villitis is placental  
5 inflammation, so we have placental inflammation.

6           Q.     Okay.  As far as you know, are there only  
7 two organisms that produce serious perinatal infection  
8 in the absence of placental inflammation?

9           A.     That's my opinion.

10          Q.     All right.  But it's also your opinion we  
11 have an inflamed placenta?

12          A.     That's right.  All I'm getting at, just  
13 to make life simple -- okay? -- is that --

14          Q.     You don't have to bother.

15          A.     -- is that it's possible that one could  
16 get overwhelming disease in the birth process, but I  
17 have never seen that with any organisms other than  
18 with herpes simplex virus and Group B streptococcus.  
19 Does that explain it?

20                 MR. PROCHASKA:  Read that back.

21                 (Whereupon, the Court Reporter read back  
22 the material requested by counsel.)

23                 THE WITNESS:  Now, can we go back on the  
24 record?  Because within the intent of the answer, I  
25 meant overwhelming infectious disease.  Is that fair

1 enough? Okay?

2 MR. PROCHASKA: (Counsel nodded head  
3 affirmatively.)

4 (Whereupon, an off-the-record discussion  
5 was had.)

6 BY MR. PROCHASKA:

7 Q. Okay. You talked about two plaintiff  
8 lawyers. Can you give me a third plaintiff's lawyer  
9 you've recently reviewed a case for?

10 A. You know, I really don't commit those to  
11 memory. It's just that those went to trial, so they  
12 obviously have a real impact.

13 In the State of Kansas, which is another  
14 way -- since he's always delightfully sends me  
15 Christmas cards, is Victor Bergman. Even years later,  
16 I looked at a case for him, many, many years ago, he  
17 still sends me a Christmas card.

18 Q. If I ask Mr. Ratner to get from you three  
19 cases in 1990 who you reviewed for the plaintiffs and  
20 issued an expert report in a deposition, could you  
21 send that to him?

22 A. No, I doubt it because, on some of these  
23 cases, in any event, they would be ongoing if it's  
24 even 1990. Some of these seems to drag out a long  
25 time and I'm not going to get caught up, quite

1 candidly, in whether I've breached ethics or all the  
2 rest, you know. I think you're entitled to know the  
3 trial cases I remember well because they went to trial  
4 and because they're over. But I'm not going to run  
5 the risk of -- nor am I going to spend a lot of my  
6 time on a thing like that when all you've got to do is  
7 go through the Trial Lawyers Association and you'll  
8 find all kinds of people -- Unger, up in Minnesota,  
9 plaintiffs' attorney, Mike Unger up in Minneapolis,  
10 Minnesota.

11 Q. Okay. Give me one other name and then  
12 we'll be done on that issue.

13 A. Tom Strong, I went to trial for in the  
14 State of Missouri, Springfield, Missouri. It's not  
15 all that far from you.

16 MR. RATNER: That's all he's asked for.

17 BY MR. PROCHASKA:

18 Q. One more.

19 A. I can't think of too many more. What  
20 I've told you, Strong in Springfield, Missouri. I've  
21 told you Schlaprizzi. I mean, these are people who  
22 are close to home. It's not going to cost you a lot  
23 of money in long distance. Jim Bartimus, in the State  
24 of Kansas, B-a-r-t-i-m-u-s.

25 MR. RATNER: That's all he's asked for now.

1 BY MR. PROCHASKA:

2 Q. How many cases a year presently do you  
3 review?

4 A. Many. The vast majority of them don't go  
5 obviously. The absolute bulk of them don't go  
6 anywhere near trial, but I review many of them.

7 Q. More than 30?

8 A. Oh, yes.

9 Q. More than 40?

10 A. I don't know. That's getting up there,  
11 but a lot.

12 Q. Around 40 a year?

13 A. A lot.

14 Q. And the majority of those you give a  
15 deposition?

16 A. I guess the majority means over 50  
17 percent, so I guess so. I guess so,

18 Q. Three hundred dollars an hour per review?  
19 I mean per hour per review?

20 A. Yeah. But it's hard to know, when you  
21 say the majority, you know, whether there are. It  
22 could be -- in the last year, in the last year, in  
23 truth, that would be true. In the last year it's been  
24 chaotic, in the last year.

25 Q. All right. And you do the exhaustive

1 review on virtually all cases that you do?

2 A. Absolutely. Except that what I would  
3 clarify is that, you know, quite often, when I take  
4 these consultations, 40 a year or whatever the number,  
5 my opinion would be such that, if there's no case,  
6 it's not really a big bill. It's \$500. Do you see  
7 what I'm saying?

8 Q. Yes.

9 A. So I don't want you to get the impression  
10 that they all get the big workup and they all get the  
11 big bill, you know.

12 Q. All right. Without going down in detail,  
13 just give me an approximate of how much money you made  
14 in 1991.

15 A. I would not do that because clearly that  
16 sort of question has been asked and not answered  
17 before, and I've been advised not to do that. I mean,  
18 I have been very open with you in terms of, you know,  
19 Trial Lawyers Association folks to whom you can talk.  
20 Obviously the major insurance carriers have consulted  
21 me. I've told you that, in the last one year, it's  
22 been chaotic. But I am not going to go into personal  
23 details beyond that.

24 Q. All right.

25 MR. PROCHASKA: I have nothing further.

1                   MR. RATNER: I have no questions at this  
2 time, Doctor. Thank you.

3  
4                   \* \* \* \* \*  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

1

2

3

4

5

GEOFFREY P. ALTSHULER, M.D.

6

7

8

9

10 STATE OF OKLAHOMA )

11 ) ss.

12 COUNTY OF \_\_\_\_\_ )

13

14 Subscribed and sworn to before me this \_\_\_\_\_

15 day of \_\_\_\_\_, 1992.

16

17

18

\_\_\_\_\_  
Notary Public, State of Oklahoma

19

20

21 My Commission Expires:

22

23

24

25




C E R T I F I C A T E

STATE OF OKLAHOMA       )  
                                  )  
COUNTY OF OKLAHOMA     )

I, Annette L. Bean, Certified Shorthand Reporter within and for the State of Oklahoma, do hereby certify that the above-named GEOFFREY P. ALTSHULER, M.D., was by me first duly sworn to testify the truth, the whole truth, and nothing but the truth in the case aforesaid, and that the above and foregoing Deposition was by me taken in shorthand and thereafter transcribed, and the same was taken on the 29th day of May, 1992, at 11:35 a.m., at The Waterford Hotel, in the City of Oklahoma City, County of Oklahoma, State of Oklahoma, in pursuance of and under the stipulations hereinbefore set out, and that I am not an attorney for the parties or a relative of either of said parties or otherwise interested in the event of said action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal this 15th day of June, 1992.



*L. Bean*  
\_\_\_\_\_  
ANNETTE L. BEAN, CERTIFIED  
SHORTHAND REPORTER FOR THE  
STATE OF OKLAHOMA  
Oklahoma CSR No. 1323  
Texas CSR No. 2571

5 29 92

ALTSHULER (HOYT CASE)

- 7). Reviewed Dr. Fields B. - Virology 2d edition
- 11). Prefers to review slides without clinical information
- 16). Only info he has is that reviewing for Doc.
- 24). In diseases of developing fetus and child, clinical information will strongly influence the bottom line
- 27). If you pump into ATLA the record would show I'm pretty much on target
- 29). Opinion was infection long standing caused asphyxia
- 57). Can be congenital infections that don't cause brain damage
- 58). Absolutely true that you can have abnormal placental findings and not have a baby that has brain damage from the abnormal findings
- 80). Trained by Benirschke
- 84). Benirschke deters to him on areas of meconium
- 85). After him and Benirschke - no one else exists in placental pathology
- 109). Article by Keenan "vintage what Altshuler had done years ago"- sore spot
- 124). Nucleated red blood cell theory from article of fetal sheep
- 127). Half a truth is a whole lie
- 132). In a "club together in a sense" with other defense experts
- 141). Can have meconium staining without chronic hypoxia
- 142). Meconium is common in a post term baby
- 144). Meconium potentiates or augments inflammation
- 172). Testified for two patients in last year of two
- 181). Reviews more than 300 case/year