

1 IN THE COURT OF COMMON PLEAS OF BLAIR COUNTY, PA

2 CIVIL DIVISION

3 * * * * *

ORIGINAL

4 ALFRED VELOZ,

5 Plaintiff

* No. 1996 CP 747

6 vs.

7 JOHN ANASTASI, M.D., and

8 THE ALTOONA HOSPITAL,

9 Defendants

10 * * * * *

11 DEPOSITION OF

12 JOHN S. ANASTASI, M.D.

13 FEBRUARY 18, 1997

14

15

16

17

18

19

20

21

22

23 Any reproduction of this transcript is
24 prohibited without authorization by the
25 certifying agency

DEPOSITION

OF

1
2
3
4 JOHN S. ANASTASI, M.D., taken on behalf of the
5 Plaintiff herein, pursuant to the Rules of Civil
6 Procedure, taken before me, the undersigned, Denise
7 J. Khorey-Harriman, a Registered Merit Reporter and
8 Commissioner of Deeds in and for the Commonwealth of
9 Pennsylvania, at the law offices of Pfaff, McIntyre,
10 Dugas. & Hartye, 1816 Old Route 220 North Business,
11 Duncansville, Pennsylvania, on Tuesday, February 18,
12 1997, at 12:35 p.m.

APPEARANCES

WILLIAM S. SCHWEERS, JR., ESQUIRE

Harrington, Schweers, Dattilo & McClelland, P.C.

100 Ross Street

Pittsburgh, PA 15219

COUNSEL FOR PLAINTIFF

GILES J. GACA, ESQUIRE

Gaca, Matis & Baum

300 Four PPG Place

Pittsburgh, PA 15222

COUNSEL FOR DEFENDANT, JOHN ANASTASI, M.D.

FRANK J. HARTYE, ESQUIRE

Pfaff, McIntyre, Dugas & Hartye

P.O. Box 533

Hollidaysburg, PA 16648

COUNSEL FOR DEFENDANT, ALTOONA HOSPITAL

I N D E X

WITNESS: JOHN ANASTASI, M.D.

EXAMINATION

by Attorney Schweers 7 - 24

CERTIFICATE 25

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

EXHIBITS:

<u>NUMBER</u>	<u>IDENTIFICATION</u>	<u>PAGE</u> <u>IDENTIFIED</u>
One	Curriculum Vitae	7

OBJECTION PAGE

ATTORNEY

PAGE

NONE MADE

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

JOHN ANASTASI, M.D., HAVING FIRST BEEN DULY SWORN,
TESTIFIED AS FOLLOWS:

EXAMINATION

BY ATTORNEY SCHWEERS:

Q. Good morning, Doctor. Good afternoon, I
guess.

A. Yeah.

Q. Would you state your name for the record?

A. John M. Anastasi.

Q. Okay. I have been provided with a copy of
your CV by Mr. Gaca, and I take it that it is an
accurate and current CV; is that correct?

A. That's correct.

ATTORNEY SCHWEERS:

I'd like to have it attached to
the deposition as an exhibit.

(Deposition Exhibit A
marked for identification).

BY ATTORNEY SCHWEERS:

Q. Do you know a Doctor James R. Elmore?

A, Yeah.

Q. Prior to the time that you made a

1 recommendation for surgery for Mr. Veloz, you would
2 have reviewed yourself the angiograms performed at
3 Altoona Hospital?

4 A. Correct.

5 Q. What is a string sign?

6 A. What is a string sign?

7 Q. Yes.

8 A. Related to what?

9 Q. To a finding on angiogram of the carotid
10 artery.

11 A. The term string sign is an anatomical one.
12 It's a term used by some radiologists and others not.

13 Q. Okay.

14 A. So I don't really know what one would mean
15 and what another --- it means different things to
16 different people.

17 Q. Oh. On your review of the angiography, and
18 feel free to review whatever papers you need to ---

19 A. Uh-huh (yes).

20 Q. --- if you'd like, did the left internal
21 carotid artery appear to be very diseased?

22 A. Yes, very diseased.

23 Q. And did this disease process go all the way
24 up into the carotid siphon on the left side?

25 A. Yes, there was a very severe blockage of

1 the carotid artery, and it appeared to be a trickle
2 of blood flow going up towards the brain.

3 Q. Did the disease process, however, go all
4 the way up into the carotid siphon?

5 A. I have no way of knowing that.

6 Q. The angiogram would not reveal that?

7 A. You can only see what the dye fills, and
8 the dye was not completely filling above the
9 bifurcation. So I don't really have any idea of what
10 the carotid siphon looked like.

11 Q. Okay. Would a carotid artery that was
12 diseased to the extent that the disease process went
13 up into the carotid siphon be one which is amenable
14 to carotid endarterectomy?

15 A. I'm not certain what you mean by the
16 question.

17 Q. Well, there are indications for carotid
18 endarterectomy; are there not?

19 A. Uh-huh (yes). Yes.

20 Q. And there are relative and absolute
21 possible limitations to carotid endarterectomy?

22 A. Uh-huh (yes).

23 Q. In some patients the disease may be located
24 in or in a location in the carotid artery that would
25 make it appropriate to intervene surgically; correct?

A. Correct.

Q. Now, are there conditions concerning the location of the disease that could be described as a condition which would make the carotid not amenable to endarterectomy?

A. Yes.

Q. Okay. What would those include?

A. If it was totally occluded.

Q. And what if the disease process went up into the carotid siphon?

A. Again, there's no way you can tell that if you can't see it.

Q. Okay. Well, what if you could see it? What would that indicate to you?

A. I'd be guessing. I'd have to take a look at the arteriogram. There's a lot more that goes to it than just a picture.

Q. Okay. In 1994 was carotid endarterectomy for any patient, no matter where the disease was located, somewhat controversial concerning its efficacy?

A. Was there any question that a carotid endarterectomy was helpful in patients, is that what you're asking me?

2. Yes.

1 A. With specific indications, no, I don't
2 think there was any controversy there.

3 Q. Okay. Now, do you have your office notes?

4 A. Uh-huh (yes), which are you referring to?

5 Q. You saw Mr. Veloz for the first time on
6 April 25, 1994?

7 A. Correct.

8 Q. And at that time you wrote a letter to
9 Doctor Hommer?

10 A. Uh-huh (yes).

11 Q. Was it your understanding that Doctor
12 Hommer had referred Mr. Veloz to you?

13 A. That's correct.

14 Q. And did you indicate in your letter of
15 April 25 to Doctor Hommer that you were concerned
16 that the vessel could not be endarterectomized?

17 A. I did at that time.

18 Q. And that there was a possibility that
19 surgery could not be done after the artery was
20 physically examined?

21 A. Uh-huh (yes), that's correct.

22 Q. All right. Now, during the course of this
23 surgery, you physically examined the artery,
24 obviously, and did you determine that endarterectomy
25 could be performed?

1 A. When I felt the vessel, it felt pretty hard
2 to me. I did find one area above an internal that
3 did feel soft, and there was an idea in my mind that
4 it could possibly be opened to improve flow.

5 Q. Okay. Your operative note ---

6 A. Uh-huh (yes). Okay.

7 Q. --- indicates that the internal carotid was
8 small and very fibrodiseased?

9 A. No, that's fibromuscular, is what it ---
10 the dictation is not correct.

11 Q. It indicates after endarterectomy was
12 completed and all debris removed a vein patch was
13 summoned?

14 A. Uh-huh (yes), vein patch it should say.

15 Q. Vein patch. An endarterectomy then was
16 performed and completed?

17 A. Uh-huh (yes). That's correct.

18 Q. So that this was a vessel that you felt
19 intraoperatively could be endarterectomized?

20 A. That's correct.

21 Q. Now, in your May 9th letter to Doctor Poon,
22 --- ?

23 A. I don't have it. Do you have it ---?

24 Q. Here, take my copy.

25 A. Okay.

3 Q. In the second paragraph of that letter, you
2 wrote that the endarterectomy was unsuccessful, the
3 carotid was totally occluded. When did you determine
4 that the carotid was totally occluded?

5 A. On the first day post-op when I completed
6 the non-invasive study.

7 Q. And was it also at that time that you
8 determined that the endarterectomy was unsuccessful?

9 A. If it was totally occluded, it wasn't open.

10 Q. Right. And that was based on a Doppler
11 that was done?

12 A. Correct.

13 Q. On post-operative day number one?

14 A. Correct.

15 Q. Now, as earlier indicated, you saw
16 Mr. Veloz for the first time on April 25 and surgery
17 was performed three days later on the 28th.

18 A. Uh-huh (yes).

19 Q. With regard to the carotid endarterectomy,
20 did you feel that this was urgent surgery?

21 A. I felt that he had a 99 percent blockage of
22 his carotid and that if the carotid was open, that he
23 had only one percent of flow going up there. If it
24 closed, he'd have a major stroke. So in that case,
25 it might be urgent.

Q. If his carotid was totally occluded, was he guaranteed to have a stroke or was he at risk for having a stroke?

4 A. At which - - - ?

5 Q. If the carotid, the left carotid became
6 totally occluded?

A. If it became totally occluded?

8 Q. Yes.

9 A. Most individuals who occlude, totally
10 occlude a carotid, will have a stroke. Some do not.
11 It depends on what period of time it occurs over - - -

12 Q. I see.

13 A. - - - and the development of the collaterals.

14 Q. All right. You had indicated earlier that
15 one of the contraindications to doing carotid
16 endarterectomy was total occlusion?

17 A. Correct.

18 Q. All right. Why is that a contraindication?

19 A. . Because if the vessel is totally occluded
20 at the internal, one presumes the disease goes all
21 the way up to the brain, and there's no way you can
22 endarterectomize everything up to the brain.

23 Q. I see. And wouldn't that be true of
24 someone who is 99 percent occluded?

25 A. That's absolutely not true.

1 Q. Okay. Now, there are certain risks
2 attendant to the performance of this procedure.

3 A. Uh-huh (yes).

4 Q. And did you feel that the possible benefits
5 of this procedure outweigh those risks?

6 A. I wouldn't have operated on him if I didn't
7 think that.

8 Q. Now, Mr. Veloz had an echocardiogram done
9 prior to surgery, did you order that test?

10 A. I did.

11 Q. And why did you order that test?

12 A. I routinely order echocardiograms on my
13 vascular patients.

14 Q. Okay. And you were told that the echo was
15 abnormal?

16 A. Correct.

17 Q. And by whom were you told that?

18 A. By Doctor Brandt, who read the echo.

19 Q. And did you read the echo?

20 A. I personally? Did I personally read the
21 echo?

22 Q. Yes.

23 A. I read the report. I did not personally
24 read the echo.

25 Q. And Doctor Brandt felt that there was a

1 mass in the right atrial chamber ---

2 A. Correct.

3 Q. --- and recommended further evaluation with
4 MRI and TEE?

5 A. Correct.

6 Q. And both of those tests were performed?

7 A. Correct.

8 Q. The MRI was negative?

9 A. That's correct.

10 Q. And the TEE demonstrated an abnormality?

11 A. A mass.

12 Q. Now, during surgery, the heart was
13 explored?

14 A. Uh-huh (yes).

15 Q. The atrium was examined, and there was no
16 mass.

17 A. Uh-huh (yes).

18 Q. Subsequent to surgery, an echocardiogram
19 was performed ---

20 A. Uh-huh (yes).

21 Q. --- and did not show the mass?

22 A. I'm not certain if an echo was done
23 post-operatively or not.

24 Q. Okay. Well, let me see if I can find it.

25 During your surgery, did you find anything in the

1 chest, in the area of the heart, which could account
2 for the findings on echo and transesophageal echo
3 ---?

4 A. I did not find a large tumor or mass there.

5 Q. I understand that.

6 A. Uh-huh (yes).

7 Q. But did you find anything that could
8 account for the echocardiogram ---?

9 A. I'm not a specialist in echo, so I don't
10 know what the --- you know, what their signals are
11 and what they see. I did not find anything. I do
12 remember, and I'm only reminded of this by what
13 Mr. Veloz said, I do remember that one of the atrial
14 walls appeared to be thickened to me, and that might
15 explain what they saw, but that was it.

16 Q. Let's see. Here's a report dated May 4,
17 1994, an echo Doppler report (indicating .

18 A. Okay.

19 Q. And it indicates that you were the ordering
20 doctor.

21 A. Uh-huh (yes).

22 Q. And here's another one done May 6th
23 (indicating). Now, do those reports demonstrate any
24 finding that would be consistent with a mass on the
25 atrium?

1 A. No, I don't see it here.

2 Q. Okay. Can you explain how or why the
3 pre-operative echo demonstrated a mass and the
4 post-operative echo no mass?

5 A. I can't explain.

6 Q. After your surgery and it was determined
7 that no mass was present, did you discuss that
8 finding with Doctor Brandt?

9 A. I don't recall if we discussed it or not.

10 Q. Did anyone, to your knowledge, go back and
11 take a second look at the echo that was done on 4/25?

12 A. I remember Doctor Poon and Doctor Brandt
13 both looking at both the TEE and the transthoracic
14 even after the other echos and saying it is
15 definitely here.

16 Q. Did you speak to Doctor Poon about his
17 findings, his pre-operative findings?

18 A. Before the surgery?

19 Q. After the surgery.

20 A. I don't recall if we had any discussion
21 about that. I know I called him and told him I
22 didn't find a tumor.

23 Q. Well, now, when did this incident occur you
24 just described where Doctor Brandt and Doctor Poon
25 said that something was definitely there?

1 A. I remember when I told him that there was
2 no tumor, I remember the two of them getting together
3 and relooking at the echos and saying that they
4 definitely --- that there was no question, that there
5 was something there.

6 Q. Okay. And you weren't present when that
7 was done?

8 A. I don't think I was.

9 Q. You were told that it was done?

10 A. Right.

11 Q. Your office note of April 27, '94,
12 describes a conversation that you had with Barbara
13 Veloz.

14 A. Uh-huh (yes).

15 Q. Do you know, can you recall why you had a
16 discussion with Mrs. Veloz about various findings and
17 reported that as opposed to any discussion that you
18 may have had with Mr. Veloz?

19 A. I believe they were both together at the
20 time. I always make a point of making a reference
21 that I discussed it with a family member, that's just
22 my practice.

23 Q. And the transcriptionist, JKL, ---

24 A. Uh-huh (yes).

25 Q. --- who is that?

- 1 A. Janet Lee.
- 2 Q. She's still a member of your office staff?
- 3 A. No.
- 4 Q. Why was the endarterectomy unsuccessful?
- 5 A. Because the non-invasive study afterwards
- 6 showed it was totally occluded.
- 7 Q. Did that occur after the endarterectomy?
- 8 A. It must have.
- 9 Q. Now, subsequent to the surgical procedure,
- 10 Mr. Veloz developed a pericardial fusion ---
- 11 A. Uh-huh (yes).
- 12 Q. --- that required drainage?
- 13 A. Uh-huh (yes).
- 14 Q. Do you know what caused the pericardial
- 15 fusion?
- 16 A. Probably the institution of Heparin in the
- 17 patient about two or three days post-op.
- 18 Q. It was on May 2nd of 1994 that Mr. Veloz
- 19 developed his episode of right-sided weakness and
- 20 aphasia?
- 21 A. I think that's correct. Do you have the
- 22 office note, do you want me to confirm that?
- 23 Q. Yes, that's what the record indicates, ---
- 24 A. Okay.
- 25 Q. --- you can take my word for that. Do you

1 know what caused that episode?

2 A. I believe that he had developed some
3 hypotens --- blood pressure was around 80 or 90 at
4 that time, and I believe I felt it was secondary to
5 the Tenormin that he was given, and I think when his
6 blood pressure dropped, since his collateral
7 circulation wasn't the best, he had symptoms.

8 Q. And Tenormin was given for what condition?

9 A. He must have had a tachycardia on day two
10 or three, whenever it was started, his heart rate was
11 fast, so he was placed on some Tenormin to slow it
12 down.

13 Q. Doctor Lukacs' consultation report ---

14 A. Uh-huh (yes).

15 Q. --- indicates that he was consulted by you
16 because of an apparent new stroke. Did you think at
17 the time that that was --- first of all, what is
18 Doctor Lukacs' specialty?

19 A. Neurology.

20 Q. Did you think at the time that he was
21 consulted that Mr. Veloz had developed a new stroke?

22 A. I consulted with him because he was unable
23 to move. He was weak on his right side, and he was
24 having difficulty speaking and that was different
25 from what he had been for the last three days.

1 Q. At the time of Mr. Veloz' discharge did you
2 feel that he had not had a stroke?

3 A. That's correct. No CAT Scan showed any
4 evidence of stroke, and clinically he had no
5 manifestations of a stroke.

6 Q. Okay. So that those symptoms, the
7 right-sided weakness, and his inability to speak, ---

8 A. Uh-huh (yes).

9 Q. --- you felt were related to a reaction to
10 medication?

11 A. I think it was related to his hypotension
12 and his cerebral circulation. I don't think it was a
13 reaction to a medicine --- like a side effect to
14 medication or allergy.

15 Q. Okay. Perhaps I misunderstood what you
16 said, Doctor. I thought that you had indicated that
17 the Tenormin had influenced what had happened.

18 A. His blood pressure was lower than what it
19 normally was. He was given Tenormin, Tenormin lowers
20 blood pressure as well as lowers the heart rate.

21 When his blood pressure dropped, I believe it
22 compromised his circulation in his brain, and he had
23 the symptoms that he had. They resolved when his
24 blood pressure was brought up again. That's --- you
25 can call that a TIA or a transient ischemic attack or

3 a small stroke, but there was no brain damage
4 associated with it, and he came out of it and he had
no CAT Scan evidence of any stroke.

4 Q. Just one more question about your May 9
5 letter. We know that echocardiogram was ordered
6 post-operatively, and you indicate in your letter to
7 Doctor Poon that should this significant lesion
8 continue to be seen on his right atrium, I would
9 suggest repeating another transesophageal
10 echocardiogram at another facility as well as a
11 repeat MRI for the sake of completeness.

12 A. Uh-huh (yes).

13 Q. Why were you suggesting a repeat of those
14 tests in the event that that finding should reappear?

15 A. Just that I felt that they were so
16 confident that there was something on the TEE and on
17 the transvasic echo. I did not find it, and if it
18 did show up again, if on the repeat echo it showed up
19 again, then I think that it should be done at another
20 facility to make certain that it isn't the testing or
21 whatever **else** is going on.

22 Q. And repeat testing was done and was found
23 to be negative for a mass?

24 A. On the echos that we had, yeah, nothing was
25 seen on those.

1 Q. When you heard from Doctors Poon and I
2 forget his ---

3 A. Brandt.

4 Q. --- Brandt, that they went back and
5 reviewed the pre-operative echos, did they offer any
6 explanation for what it was they saw?

7 A. No. They were surprised to hear that there
8 was nothing there.

9 Q. And Doctor Poon is a cardiologist?

10 A. Correct.

11 Q. Doctor Brandt?

12 A. Cardiologist.

13 Q. Cardiologist.

14 ATTORNEY SCHWEERS:

15 Just give me one second here,
16 I'll talk to Mr. Veloz.

17 OFF RECORD DISCUSSION

18 ATTORNEY SCHWEERS:

19 Okay. That's all.

20 ATTORNEY GACA:

21 Okay. We want him to read it,
22 please.

23 * * * * *

24 DEPOSITION CONCLUDED AT 1:12 P.M.

25 * * * * *

1
2
3 COMMONWEALTH OF PENNSYLVANIA:
4 : SS
5 COUNTY OF CAMBRIA

6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
C E R T I F I C A T E

I, Denise Jeanne Khorey-Harriman, Notary Public in and for the
Commonwealth of Pennsylvania, do hereby certify:

That the witness was hereby first duly sworn to testify to the truth, the
whole truth, and nothing but the truth; that the foregoing deposition was taken
at the time and place stated herein; and that the said deposition was taken in
Stenotype by me and reduced to typewriting, and constitutes a true and correct
record of the testimony given by the witness.

I further certify that the reading and signing of said deposition
were (not) waived by counsel for the respective parties and by the witness.

I further certify that I am not a relative, employee or attorney of any of
the parties, nor a relative or employee of counsel, and that I am in no way
interested directly or indirectly in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and stamp this

9 day of April, 1997.

Denise Jeanne Khorey-Harriman

NOTARIAL SEAL
Denise Jeanne Khorey-Harriman, Notary Public
Cambria County, Pennsylvania
My Commission Expires Mar. 5, 2001

• PITTSBURGH, PA
• CLEARFIELD, PA
• BELLEFONTE, PA
• HOLLIDAYSBURG, PA

• ERIE, PA
• OIL CITY, PA
• HARRISBURG, PA

SARGENT'S
COURT REPORTING
SERVICE, INC.
210 Main Street
Johnstown, PA 15901
(814) 5368908

• INDIANA, PA
• GREENSBURG, PA

• PHILADELPHIA, PA
• SOMERSET, PA
• WILKES-BARRE, PA
• CHARLESTON, WV


Sargent's Correction Page

[illegible]

I HAVE READ THE TRANSCRIPT OF MY TESTIMONY AND CERTIFY IT IS ACCURATE WITH THE ABOVE CORRECTIONS

John Anastasi Deposition on 2/18/97

Notary Public



1 IN THE COURT OF COMMON PLEAS OF BLAIR COUNTY, PA

2 CIVIL DIVISION

3 * * * * *

4 MARY FORR, Administratrix *

5 of the Estate of RALPH T. *

6 FORR, SR., Deceased, * NO. 95-CA-1115

7 Plaintiff *

8 vs. *

9 BERNARD FAZI, M.D.; JOHN *

10 S. ANASTASI, M.D.; *

11 CARDIOVASCULAR THORACIC *

12 ASSOCIATES, INC.; JOHN M. *

13 DINGER, M.D.; ALTOONA *

14 ANESTHESIA ASSOCIATES; *

15 GLORIA KOLONICH, C.R.N.A., *

16 and ALTOONA HOSPITAL, *

17 Defendants *

18 * * * * *

19

20 Deposition of: JOHN S. ANASTASI, M.D.

21 July 17, 1996

22

23

24

25

DEPOSITION OF**JOHN S. ANASTASI, M.D.**

1
2
3 Taken on behalf of the Plaintiff herein,
4 pursuant to the Rules **of** Civil Procedure, taken
5 before me the undersigned, Tammie B. Elias, a
6 Registered Professional Reporter and Notary Public in
7 and for the Commonwealth of Pennsylvania, at the law
8 offices of Jubelirer, Carothers, Krier & Halpern, 10
9 Sheraton Drive, Altoona, Pennsylvania, on Wednesday,
10 July **17**, 1996, at 2:10 p.m.

11

12

13

14

15

16

17

18 Any reproduction **of** this transcript is
19 prohibited without authorization **by** the
certifying agency

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES

COUNSEL FOR PLAINTIFF: JACK E. FEINBERG, ESQUIRE
Feinberg & Silva
Suite 1805
2000 Market Street
Philadelphia, PA 19102

COUNSEL FOR DEFENDANT: M. DAVID HALPERN, ESQUIRE
(Dr. Dinger & Jubelirer, Carothers, Krier
Altoona Anesthesia & Halpern
Associates) 10 Sheraton Drive
P.O. Box 2024
Altoona, PA 16602

(Gloria Kolonich & FRANK J. HARTYE, ESQUIRE
Altoona Hospital) Pfaff, McIntyre, Dugas
& Hartye
P.O. Box 533
Hollidaysburg, PA 16648

(Dr. Fazi, Dr. THOMAS A. MATIS, ESQUIRE
Anastasi & 300 Four PPG Place
Cardiovascular Pittsburgh, PA 15222-5404
Thoracic Associates,
Inc.)

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

I N D E X

WITNESS: JOHN S. ANASTASI, M.D.

EXAMINATION

BY ATTORNEY FEINBERG

7 - 38

EXAMINATION

BY ATTORNEY HARTYE

38 - 39

CERTIFICATE

40

~~SARA ANN~~

COURT REPORTING SERVICE
(814) 536-8908

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

EXHIBIT PAGE:

NUMBER

IDENTIFICATION

PAGE
IDENTIFIED

NONE OFFERED

1

OBJECTION PAGE2 ATTORNEYPAGE

3 MATIS

29

4 MATIS

36

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

~~SARA ANN SARGENT~~

COURT REPORTING SERVICE

(814) 536-8908

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

P R O C E E D I N G S

- - - - -

JOHN S. ANASTASI, M.D.', HAVING FIRST BEEN DULY SWORN,
TESTIFIED AS FOLLOWS:

- - - - -

EXAMINATION

BY ATTORNEY FEINBERG:

Q. Good afternoon, Doctor.

A. How are you?

Q. Tell us please your full name and your
office address?

A. John S. Anastasi, 620 Howard Avenue,
Altoona.

Q. Your Counsel has provided us with your CV
and I'll have a few questions to ask you beyond the
information contained in that form. It indicates
you're the Chairman of Cardiovascular Thoracic
Surgery of Altoona, Inc.?

A. Uh-huh (yes).

Q. And I gather you're a shareholder then?

A. Uh-huh (yes).

Q. If you would say yes, no.

A. Yes, I'm sorry. I own 51 percent and
Doctor Fazi owns 49.

Q. And that's still true to today?

1 A. Correct.

2 Q. What, if anything, is your relationship to
3 the ---?

4 ATTORNEY MATIS:

5 Jack, I know you're going ---
6 don't get upset with me, but I think your
7 question to that is still true today, it
8 wasn't at the time, I don't want there to
9 be a misstatement on the record.

10 ATTORNEY FEINBERG:

11 Okay.

12 ATTORNEY MATIS:

13 Doctor, this was just recently
14 and I think he may have been
15 misunderstood.

16 BY ATTORNEY FEINBERG:

17 Q. Back in June **of** 1993, were you ---?

18 A. I was a sole shareholder in '93.

19 Q. Okay. But you were still the Chairman?

20 A. Correct.

21 Q. And you're still 'boarded by the American
22 Board of Surgery and Thoracic Surgeons?

23 A. Correct.

24 Q. **Have you published at all?**

25 A. No.

1 Q. Have any teaching positions?
2 A. No.
3 Q. Let's talk about Mr. Forr. You were here
4 when your associate, Doctor Fazi, testified?
5 A. Uh-huh (yes).
6
7 indicated that you were not there at the beginning of
8 the procedure; is that correct?
9 A. That's correct.
10 Q. And that you came on just before the CPS
11 unit was inserted?
12 A. That's not accurate. I came in before the
13 pacemaker was inserted.
14 Q. And how did you happen to be called in
15 there; do you know that?
16 I was in the other room doing a case and
17 the nurse came in and told me that Doctor Fazi was
18 having difficulty with the patient and that he was
19 unstable and was I free to give him a hand.
20 Q. And did you then go immediately to the next
21 room?
22 A. Immediately.
23 Q. And did you determine what was going on
24 when you got there?
25 A. Sure. I had some conversation with Doctor

1 Fazi at the time, I don't remember the specifics of
2 it, but the patient, he did tell me that he
3 encountered bleeding when trying to put in the
4 introducer sheath and the patient had hemodynamic
5 instability and his blood pressure was low at the
6 time when I arrived in the room.

7 Q. One thing, we're not going to be here
8 forever, so you're supposed to talk fast and that
9 potentially increases the risk of errors creeping
10 into the record, so if you just try to stop ---.

11 A. I'm from New York, it's a hereditary
12 thing. Sorry.

13 Q. Someone somewhere requested please do this
14 or please do that or, in other words, when you got
15 there to the OR what were you supposed to do, how did
16 you find out what you were supposed to do?

17 A. When you walked in the operating room the
18 patient was unstable, he had very little cardiac
19 rhythm. He had very little blood pressure. Doctor
20 Fazi had already inserted a chest tube. He was
21 holding pressure on the subclavian site. We quickly
22 talked, we decided that the first thing we needed to
23 do was get this patient hemodynamically stable.
24 There was no active site of bleeding coming from
25 either his operative site or the chest tube when I

1 arrived. I told him I felt the best thing we should
2 do is get the pacemaker inserted to see if that would
3 help with his rhythm and that's the first thing that
4 was done. Doctor Fazi was continuing to hold
5 pressure and start working on the groins for more IV
6 access.

7 Q. Let me just back up a step. I have a
8 picture from what you're saying. He's holding his
9 hands there, applying manual pressure to try to
10 prevent blood and stop blood.

11 A. Uh-huh (yes).

12 Q. Who is going to then put in --- insert the
13 pacemaker?

14 A. I put the pacemaker in.

15 Q. And at the time you inserted the pacemaker,
16 he was still applying manual pressure?

17 A. Off and on.

18 Q. In other words, there would come a time he
19 would have to go off so you could do what you were
20 trying to do?

21 A. No, I was able to work around his hands.

22 Q. And did you have any difficulty or problem
23 in the actual insertion ---

24 A. No.

25 Q. --- of the pacemaker?

1 A. No.

2 a. Then when you got it inserted, about how
3 long did that take?

4 A. I don't recall, but probably under five
5 minutes.

6 Q. By the way, before the time that you come
7 into the operating room, had you had anything to do
8 with Mr. Forr?

9 A. I operated on him I think back in 1990.

10 a. And then what was that for?

11 A. He had aortic insufficiency, a leaking of
12 an aortic valve. He had severe triple vessel
13 coronary artery disease, blockage of his coronary
14 arteries and he had a very large heart and I did four
15 bypasses on him and replaced his aortic valve.

16 Q. Cabbage surgery?

17 A. And valve combined.

18 Q. And he recovered well from that?

19 A. Went home about five, six days after the
20 operation and had done well up to his problem with
21 his rhythm.

22 Q. Had **you** had any contact with him from the
23 time after he went home from that cabbage and valve
24 replacement surgery and up until the time we get into
25 the operating room for the - - - ?

1 A. I saw him two weeks after the operation,
2 the initial cabbage and valve surgery, and then after
3 that I did not see him again until I walked into the
4 operating room.

5 Q. And at the time you last saw him several
6 weeks after the original cabbage and valve surgery he
7 was doing well?

8 A. From the surgical standpoint his wounds
9 were healing and he was doing well, yes, from the
10 surgical standpoint.

11 Q. There was no reason for him to see you
12 further about that problem as of that time?

13 A. We routinely don't see patients more than
14 two weeks after the operation.

15 Q. Go back to whoever was treating him
16 generally?

17 A. Correct.

18 Q. And then the next time you have to do with
19 him is on June 15th, '93?

20 A. That's correct.

21 Q. Now, I sort of diverted a moment for a
22 moment and that is, you inserted the pacemaker?

23 A. Uh-huh (yes).

24 Q. And that was uneventful, I gather?

25 A. Uh-huh (yes).

1 Q. What did you do next?

2 A. We connected it to the battery and we tried
3 to see if with a better rhythm if we were going to be
4 able to maintain a blood pressure and maintain a more
5 stable rhythm, because looking down there was no
6 active bleeding coming from the chest tube, none
7 coming from the site and yet this patient was in an
8 arrested state.

9 Q. And when you say in an arrested state you
10 mean what?

11 A. When CPR was proceeding by that point X
12 did not have a blood pressure or a good rhythm on is
13 own

14 Q. You say no blood pressure or good rhythm on
15 his own. I think he had some rhythm and ---?

16 A. His rhythm was paced but it was not
17 capturing his heart so that his heart would produce a
18 blood pressure.

19 Q. So what did you do then?

20 A. At that point Doctor Fazi and I determined
21 that this patient was not going to survive unless we
22 did something even more heroic than we had already
23 done and that was to place him on the bypass,
24 portable bypass machine.

25 Q. And the purpose for that?

1 A. Is to take complete control over his heart
2 and circulation.

3 Q. And what happened then? Were you able to
4 get him on the machine?

5 A. Uh-huh (yes). Cannules were inserted in
6 his groins and he went on bypass, yes.

7 Q. And then what happened?

8 WITNESS AND COUNSEL CONFER

9 A. Initially he was put on at approximately
10 11:30 in the morning and when he was initially put on
11 his flows were excellent. Well, not excellent but
12 were adequate at four liters giving him a cardiac
13 index that was compatible with life, which would have
14 been at least two liters and a mean blood pressure
15 that was adequate also.

16 Q. And what was the mean blood pressure then?

17 A. Forty (40) to 50 during the whole time he
18 was on the machine.

19 Q. And then what happened?

20 A. We had decided that he was fairly stable at
21 that point. Looked down at the chest tube, there was
22 no more significant bleeding. Doctor Fazi had closed
23 the wound at that point and we were making plans to
24 take him up to the intensive care unit on the CPS
25 unit.

1 Q. And then what happened?

2 A. Approximately, probably around 12:30 or
3 actually 12:15 his flows on the machine were dropping
4 down below a two liter cardiac index. And despite
5 the fact that he had gotten a massive amount of
6 volume it still wasn't maintaining his flows. At
7 this point I had already left the room, so what I'm
8 telling you I just got from my conversations with
9 Doctor Fazi.

10 Q. And then what happened?

11 A. Doctor Fazi felt that he might have
12 continued bleeding in his chest and for that reason
13 he opened up his right chest.

14 Q. And then what?

15 A. At that time I came back into the room,
16 there was only about 300, 400 cc's of blood that I
17 saw in the chest. We both inspected the subclavian
18 area. We saw some hematoma there in the tissues but
19 we saw no active source of bleeding.

20 Q. And then what happened?

21 A. At that point we again at the full
22 inspection of the situation, we kept watching and the
23 flows continued to be below two liters and at that
24 point we decided that he had had flows that were not
25 acceptable with any type of good quality of life even

1 if he had a chance to recover **so** we decided to
2 discontinue the machine.

3 Q. And then death occurred?

4 A. Uh-huh (yes).

5 Q. I didn't make a note, you had told us you
6 had come into the OR, you had done certain things and
7 then there was a point at which you left?

8 A. Uh-huh (yes).

9 Q. What was that point again?

10 A. After the patient was on the CPS and he had
11 stable flows and there did not appear to be any more
12 bleeding from the chest tubes, Doctor Fazi had both
13 his hands free and was able to take care of the
14 situation. I left to **go** back to my case.

15 Q. And then when you returned the second time
16 to the OR was that pursuant a call or ---?

17 A. No. I was done with my case and I went
18 back over to check what was going on.

19 Q. There are two operative records here, the
20 first operative record shows Doctor Fazi as the
21 surgeon and it doesn't speak in terms of an assistant
22 surgeon; is that correct?

23 ATTORNEY MATIS:

24 The first one?

25 ATTORNEY HALPERN:

1 The first one does.

2 BY ATTORNEY FEINBERG:

3 Q. The first one indicates Doctor Fazi as the
4 surgeon and you as the assistant surgeon; is that
5 correct?

6 A. Correct.

7 Q. And the anesthesia that's described there
8 is general endotracheal?

9 A. That's correct.

10 Q. Now, that wasn't how the procedure was
11 started; is that correct?

12 A. That's correct.

13 Q. It was started as a local?

14 A. With IV sedation.

15 Q. And then changed?

16 A. Correct.

17 Q. Now, in respect to the way in which
18 patients are restrained for this type **of** a procedure
19 and insertion of the pacemaker, how do they restrain
20 them at this hospital?

21 A. Generally all the patients that come down,
22 there is a strap placed across their thighs and their
23 arms are tucked at their sides.

24 Q. What is there to prevent **a** patient from
25 bucking or lifting up?

1 A. If the anesthesia, the IV sedation is
2 adequate, they don't lift up, they don't move.

3 Q. So it's the level of the sedation that
4 keeps them from moving then?

5 A. That's correct.

6 Q. And that's something controlled by who?

7 A. The anesthesiologist, the CRNA.

8 Q. Now, then there's the second operative note
9 in which Doctor Fazi is listed as the surgeon;
10 correct?

11 A. Correct.

12 Q. Do you know why this gave rise to the two
13 operative notes as opposed to one continuous note?

14 A. Because the first operation was completed,
15 the CPS was inserted, the pacer was inserted, the
16 wounds were closed and I believe Doctor Fazi was
17 dictating that while they were making plans to
18 transfer the patient to the unit. Then when he was
19 notified the flows were not --- were not good, he
20 decided to look further to reprep and drape and look
21 further to see --- to reprep and drape the patient to
22 see if there was further difficulties in the chest,
23 so I assume that's why it's different, or another
24 note.

25 Q. Actually the patient never left the OR?

1 A. That's correct.

2 Q. And during what we'll call the second
3 procedure, you were not there when that began but you
4 came along before it ended?

5 A. Correct.

6 Q. So let me just make sure I have the correct
7 sequence. In respect to the first procedure, you
8 came in at a point in time and then when you left the
9 first procedure was still ongoing, or was it
10 completed at that time?

11 A. It was --- if anything, the skin incision
12 on the pacemaker probably wasn't closed, but the CPS
13 was in and the chest tube was inserted. The
14 pacemaker was inserted. If anything, the skin
15 incision might have been being closed, that would be
16 about the only thing that was left to do.

17 Q. And then you returned to the other
18 operating room to complete the procedure that you
19 were doing there?

20 A. Uh-huh (yes).

21 Q. How did you know that Forr was still in the
22 other operating room then if when you left the first
23 time you took it that he was done, or essentially
24 done?

25 A. Because I'm constantly aware of everything

1 that's going on in the two operating rooms.

2 Q. They just have two of them there?

3 A. uh-huh (yes).

4 Q. Or just two of them used for your ---?

5 A. We have two open heart rooms that ---.

6 Q. And then they are immediately next to each

7 other or across from each other?

8 A. Across the scrub sink.

9 Q. So you're talking about the five or ten
10 feet?

11 A. Correct.

12 Q. So that when you were done with yours you
13 could see that something was still going on in the
14 other room?

15 A. I couldn't see, but I was aware that the
16 patient was still in there.

17 Q. And that's what caused you to go back in
18 then?

19 A. Correct. Right.

20 Q. It wasn't that you were called the second
21 time?

22 A. Correct.

23 Q. Was there ever any consideration given to
24 opening the neck and taking a look at the right
25 subclavian?

1 A. I never gave it any consideration, no.

2 Q. Were you aware of the amount of volume
3 expanders that were going into the patient?

4 A. I knew that he was getting a significant
5 amount of fluid, yes.

6 Q. When you say significant amount that's a
7 relative term.

8 A. I can't tell you was I aware he got 17
9 liters during the time of the procedure? I was not
10 aware of that, no. I knew that he was getting fluid
11 and blood to attempt to support his blood pressure.

12 Q. Had you been aware that 17 liters was being
13 pushed in, would that have been meaningful to you?

14 A. I probably would have felt at that time had
15 I known it that it might have been too much fluid
16 considering that he wasn't losing that much fluid.

17 Q. But where would the fluid go then if it
18 wasn't coming out?

19 Could go into the interstitium, into his
20 tissues.

21

22

23 cardiopulmonary bypass machine, you can lose liters
24 and liters of fluids. Can I confer for one second,
25 please?

1 Q. Sure.

2 WITNESS AND COUNSEL CONFER

3 A. And that is supported by the fact that his
4 weight is 35 pounds greater at autopsy than when he
5 was admitted. In fact, it probably almost breaks
6 down to 15 or 16 kilos of fluid.

7 Q. Where do you pick up his admitting weight?

8 A. It's on the nurse's admission note.

9 Q. What is that, Doctor?

10 A. It's 215 in one place and 216 in the other,
11 I believe. Right here (indicating).

12 Q. Just give me a reference.

13 A. Okay. Where am I here?

14 ATTORNEY MATIS:

15 Up here (indicating).

16 A. 215 pounds is his usual weight but he's
17 weighed in at 216.4, 98 kilos.

18 BY ATTORNEY FEINBERG:

19 Q. And what's that sheet you're looking at?

20 A. It's right here (indicating).

21 Q. And where do you pick up the examiner's
22 weight, Doctor?

23 A. If you look in the autopsy.

24 ATTORNEY MATIS:

25 Let me see if I can help you

1 here

2 A Here, we got the first page of the gross
3 description, the body is well palpable ---

4 DRY ATTORNEY FEINBERG:

5 Q Just read the weight

6 A The body weight is approximately 250
7 pounds

8 Q. That's an approximate weight?

9 A Right.

10 Q Is the nurse's weight of 216 4 an
11 approximate weight do you know or ---?

12 A. 216.4 is a weighed weight and the 215, I
13 guess, is what he said his usual weight is.

14 Q And the examiner's weight is the examiner's
15 approximation ---

16 A. Right.

17 Q. --- of weight?

18 A Right And if I may add also the other
19 reason that I'm well aware of how much fluid can go
20 into the interstitium is that any patient that we do
21 bypass surgery on, even if the operation only takes
22 two hours, when they come out, have a significant
23 amount of fluid in their tissues their eyes are
24 swollen And family members always remark how much
25 bigger they appear. And it takes the next two or

~~SARA ANN SARGENT~~

COURT REPORTING SERVICE

(814) 536-8908

1 t h e r e a y s f o r t h a t f l u i d t o c o m e o u t

2 Q A r e a p p r o x i m a t e b l o o d l o s s e s n o r m a l l y
3 i n c o r p o r a t e d i n t o t h e h o s p i t a l c h a r t s a t t h e A l t o o n
4 H o s p i t a l ?

5 A. M h y a r e u s u a l l y r e c o r d e d b y t h e n u r s e s
6 y e s

7 Q. D o y o u k n o w w h y t h a t w a s n ' t d o n e i n t h i s
8 c a s e ?

9 A. I t ' s a n e r r o r . I t s h o u l d h a v e b e e n .

10 Q A a d i s t h a t s o m e t h i n g t h a t n o r m a l l y t h e
11 s u r g e o n w i l l b u i l d i n t o t h i s n o t e ?

12 A W e u s u a l l y d o n o t i n c o r p o r a t e t h e b l o o d
13 l o s s o n o u r p r o c e d u r e s . i t ' s u s u a l l y p a r t o f t h e
14 o p e r a t i v e r e c o r d t h a t t h e c i r c u l a t i n g n u r s e i n t h e
15 o p e r a t i n g r o o m r e c o r d s

16 Q A a d t h e a n e s t h e s i a p e o p l e a l s o u s u a l l y
17 r e c o r d a p p r o x i m a t e b l o o d l o s s e s ?

18 A. I a m n o t c e r t a i n w h e t h e r t h e y d o o r n o t
19 Q I n r e s p e c t t o t h e o p e r a t i v e n o t e o f t h e
20 f i r s t p r o c e d u r e , n o r m a l l y a n e n t s u c h a s w a s
21 d e s c r i b e d b y D o c t o r F a z i w h e r e t h e p a t i e n t l i f t s u p
22 o r a t t e m p t s t o l i f t u p o n t h e t a b l e a a d t h e r e ' s a
23 p u n c t u r e o r l a c e r a t i o n o f t h e w a s s e s s e d , i s t h a t
24 s o m e t h i n g y o u w o u l d n o r m a l l y p u t i n t o y o u r o p e r a t i v e
25 n o t e ?

1 A. I really can't answer why Doctor Fazi would
2 have or not have put it in his operative note, I'd be
3 guessing.

4 Q. My question is a little bit different
5 though, is that something normally you would record
6 in an operative note, a patient attempted to get up
7 and bleeding occurred, something of that effect?

8 A. I guess if it would have ---.

9 ATTORNEY MATIS:

10 Don't guess.

11 A. I don't know how to answer that question.

12 BY ATTORNEY FEINBERG:

13 Q. You can either answer it yes, it is or, no,
14 it isn't or I don't know?

15 A. I have never come across a situation where
16 it's something that I had to record in the past.

17 Q. If during a surgical procedure involved an
18 untoward or unexpected event occurs, do you normally
19 put that into your note?

20 A. I probably would have put it in my
21 operative note.

22 Q. Are you able to rationalize for me why the
23 chest tube was not after a while demonstrating
24 evidence of bleeding and yet the medical examiner
25 found 500 cc's of blood in the right pleural cavity?

1 A. That could have been after we were done,
2 that could have been from the thoracotomy incision.
3 It didn't necessarily have to be bleeding from the
4 subclavian artery. He opened the chest, could have

6 bleeding from anywhere. And I'm sure that he had a
7 coagulopathy by this time. He had gotten umpteen
8 units of blood. Fluid had been on the bypass
9 machine. He could be bleeding, you know, from a
10 coagulopathy.

11 Q. You're talking about a DIC?

12 A. Yes.

13 Q. Is there any evidence in the chart of a
14 DIC?

15 A. It would be too early to see it on the
16 pathology. We didn't send off --- you know, this all
17 took place in an hour and a half time. I'm certain
18 we didn't send off coagulation profiles at this
19 point.

20 Q. But a DIC would normally cause bleeding
21 from all of the body's orifices?

22 A. If they were traumatically entered, yes. I
23 mean, you wouldn't just normally spontaneously bleed
24 from your nose or your mouth. Now, a lot of patients
25 in DIC have only bled from the operative sites.

1 Q. Was there any effort here to determine
2 whether or not there was a DIC?

3 A. No, but he was treated as if there might
4 be. He was given platelets. He was given FFP. He
5 was given everything that was the usual treatment for

7 Q. Those things are also the usual treatment
8 to replace blood loss?

9 A. Not FFP and platelets, that's not what's
10 given to replace blood. You give blood to replace
11 blood.

12 Q. I think we can agree there's no evidence
13 here that there was bleeding from anyplace else in
14 the body but beside the right subclavian artery or
15 the wounds that you would normally make in doing the
16 surgery?

17 A. I would agree that the only bleeding was
18 found that was in the chest.

Now, I'm looking at Doctor Fazi's operative

21 first page and runs over onto the other --- you were

23 bottom of the first page and runs to the second page,
24 the patient was ready to be transferred to the
25 cardiothoracic intensive care unit when he became

1 unstable and developed significant amount of bleeding
2 in the right pleural chest tubes. What was the
3 significance of that, if any, in respect to the wound
4 or the laceration or puncture that occurred in the
5 right subclavian vein?

6 ATTORNEY MATIS:

7 I object. Well, not only that
8 but I don't think the Doctor's testified
9 he was here at that time, he was in the
10 room at that time.

11 ATTORNEY FEINBERG:

12 Well, I think he said he wasn't
13 in the room at that time.

14 ATTORNEY MATIS:

15 That's exactly the point.

16 BY ATTORNEY FEINBERG:

17 Q. The question is, what is the significance
18 of that?

19 ATTORNEY MATIS:

20 And that's asking for a pure
21 opinion.

22 ATTORNEY FEINBERG:

23 Well, he's a party to the action
24 and he's associated with the Defendant.
25 You know, I think that's a fair question.

1 ATTORNEY MATIS:

2 If he had an opinion you can ask
3 him if he had an opinion at that time.

4 BY ATTORNEY FEINBERG:

5 Q. What is the meaningfulness?

6 A. Well, if that is correct and he had more
7 bleeding from the chest tube, that's what prompted
8 him to do the thoracotomy, to look.

9 Q. That's the second procedure?

10 A. Right.

11 Q. And was it determined where that bleeding
12 was coming from in the second procedure?

13 A. There was no --- there was some hematoma up
14 at the site where the subclavian was, but there was
15 no active bleeding that either of us saw coming down
16 from the subclavian artery or vein at that point.

17 Q. But that's not set forth again in that
18 second operative note; is it?

19 A. What specifically are you speaking of?

20 Q. All it says in that respect is that
21 bleeding was encountered involving the mediastinum in
22 the area of the great vessels?

23 A. Uh-huh (yes).

24 Q. The bleeding site could not be localized;
25 is that correct, as to what it says?

1 A. I know what it says and that is correct,
2 that's what it says. I'm not certain that it's
3 completely accurate.

4 Q. Do you know why it's not accurate?

5 A. Because we knew where the bleeding site
6 was. The bleeding site was in the subclavian artery,
7 we knew that. Okay. What I think he's saying here
8 is that there was hematoma over these vessels. The
9 tissue was stained there, but there was no active
10 bleeding coming from any hole that we could see.

11 Q. But I think we can agree that that language
12 doesn't appear in that note?

13 A. I agree with you, it doesn't appear in the
14 note.

15 Q. And you've had occasion to look at the
16 medical examiner's report?

17 A. Correct.

18 Q. And in that report you heard as I
19 questioned your associate before ---?

20 ATTORNEY MATIS:

21 Hold on a second, Jack.

22 ATTORNEY FEINBERG:

23 Sure.

24 BY ATTORNEY FEINBERG:

25 Q. If you look on the gross description down

1 on the category of thoracic cavity mediastinum, the
2 last sentence there which reads, a short portion,
3 paren, about one centimeter, closed paren, of
4 pacemaker is noted on the surface **of** the right
5 subclavicular area which indicates that the
6 subclavian vein has been lacerated, period. What is
7 the meaningfulness of that sentence?

8 A. Well, the sentence is poorly done. Because
9 what he's describing is a normal finding. There has
10 to be a hole in the subclavian vein in order to place
11 the pacemaker to go through it. Where we're getting
12 confused is what the term lacerated means.

13 Q. Let's go to the next --- well, skip the
14 sentence. And then he goes on the intima, referring
15 to the right subclavian artery, shows a longitudinal,
16 small size laceration, which measures 1.2 centimeters
17 in length. What is he referring to there?

18 A. What he's referring to, again, if he's
19 describing a laceration the same way he is in the
20 first reference to the vein, he's talking about a
21 puncture of 1.2 centimeters through the inside of the
22 vessel.

23 Q. And he notes several blood clots in that
24 area, the next sentence, several blood clots are
25 /noted within this area?

~~SARA ANN SARGEANT~~

1 A. Okay.

2 ATTORNEY MATIS:

3 That's what it says.

4 A. Right, he's got some blood clots. Right,
5 which means there's a clot over the hole is what
6 means, which is what was attempted to be accomplished
7 with the pressure.

8 BY ATTORNEY FEINBERG:

9 Q. And then down below he finds on the right
10 pleural cavity there's 500 cc's of fresh blood. How
11 do you --- how is that consistent with the fact that
12 no blood was draining out the tube, the chest tube?

13 A. Well, the tube could have been clotted, I
14 mean, and the blood might not have exited that way.
15 But I think that blood could have come from the
16 thoracotomy incision itself on top of the
17 coagulopathy. I'm sure all of those tissues were
18 just oozing and bleeding all over. And 500 cc's of
19 blood, you can lose 500 cc's of blood in 20 minutes
20 and not be as hemodynamically unstable as this
21 patient was.

22 Q. What was the reason for the patient being
23 as hemodynamically unstable as he was?

24 A. Do you want me to tell me why I think he
25 died, is that what you're asking me?

1 Q. Let's take the first question first.

2 A. Okay.

3 Q. Why was this patient as hemodynamically
4 unstable as he was during the procedures?

5 A. Because I think that after he had the
6 injury done to the subclavian artery, I think that he
7 was shortly thereafter intubated, I think that
8 dropped --- that was his first drop in his blood
9 pressure, just from the general anesthetic and the
10 intubation. I think then he received a large amount
11 of volume in a very short period of time and I don't
12 think his heart could tolerate it. I think his heart
13 got distended, his rhythm wasn't normal. His heart
14 was not normal. And I think with a combination of a
15 lack of a rhythm and a poor ventricle, I think his
16 heart distended and failed and he was not able to
17 maintain a blood pressure.

18 Q. Was that all brought on by the fact that
19 there was this wound, whether punctured or laceration
20 to the right subclavian, was that all secondary to
21 that?

22 A. I don't think it was secondary to that. I
23 think that the wound in the subclavian artery was the
24 inciting cause and then the excessive amount of
25 fluid, the poor ventricle, the crummy rhythm, all of

~~SARA ANN SARGEANT~~

1 these things is what added to the fact that the
2 patient couldn't be resuscitated.

3 Q. So if the wound did not occur the other
4 things would not have occurred?

5 ATTORNEY MATIS:

6 Well, that's pure speculation.\

7 BY ATTORNEY FEINBERG:

8 Q. The fluid had to be put in because of the
9 decreased blood pressure which occurred as a result
10 of the wound?

11 A. There are many different ways to treat
12 decreased blood pressure. If you give only one
13 reason his --- is fluid.

14 Q. But here that's what they did, they gave a
15 large volume of fluid?

16 A. And they did other things also.

17 Q. And it was that fluid that then caused the
18 effect on the heart, the large volume of fluid, ---

19 A. Uh-huh (yes).

20 Q. --- which ultimately led to the death?

21 A. Well, ---.

22 ATTORNEY MATIS:

23 Wait a minute. Object to the
24 form of the question because whenever he
25 gave you the answer, which now you're

1 picking apart at, he referred to other
2 things in terms of his pre-existing
3 condition, Jack, and you're leaving that
4 out **of** the question.

ATTORNEY FEINBERG:

The record will ---.

ATTORNEY MATIS:

9 You're leaving it out of your
10 question that's why --- you know, you're
saying he said this and he didn't.

11 ATTORNEY FEINBERG:

12 On the record will say what he
13 said. We don't have to argue about it.

14 BY ATTORNEY FEINBERG:

15 Q. Do you have the last question I have asked?

16 A. What is it?

17 COURT REPORTED READS BACK PREVIOUS QUESTION

18 A. The large amount of fluid was one
19 contributing factor that led to his death.

20 Q. And what were the other contributing
21 factors?

22 A. His pre-existing heart function.

23 Q. Which was doing **well**, I think, or ---?

24 A. Well, I don't think we know that.

25 Q. Let me put it a different way. Do you have

1 any reason to believe it wasn't doing well?

2 A. Yes, he came in with a heart rate of 33.

3 Q. And that's why you were going to insert the
4 pacemaker?

5 A. Right.

6 Q. And you certainly wouldn't have thought of
7 inserting the pacemaker if you thought he was going
8 to die?

9 A. I don't understand the question know.

10 Q. Well, the purpose of inserting the
11 pacemaker was so that it could permit him to continue
12 to live?

13 A. Correct.

14 Q. Now, what are the other factors? You say
15 contributing factors ---?

16 A. His pre-existing condition, his heart was
17 markedly hypertrophied as showed in the autopsy
18 report. He has got severe triple vessel coronary
19 artery disease. He had aortic valve disease. The
20 fluid, his heart rhythm, he not only was in a rate of

23 heart. So his cardiac function was at least 30
24 percent less than normal and that's just on the basis
25 of rhythm.

1 Q. And the fluid overload certainly didn't
2 help that?

3 A. Probably not.

4 Q. The examiner indicates that, as he
5 describes it, that the laceration measured one, two,
6 centimeters in length. What is the diameter of the
7 right subclavian in the area where that laceration
8 was located?

9 A. It's a different anatomy on everyone. I
10 don't know.

11 Q. Considering Mr. Forr's age and size and
12 weight, what would **be** a fair estimate?

13 ATTORNEY MATIS:

14 We're not going to --- no, we're
15 not going --- he's already answered that.

16 A. I have no way of giving that.

17 ATTORNEY FEINBERG:

18 That's all I have.

19 ATTORNEY HALPERN:

20 Nothing.

21 ATTORNEY HARTYE:

22 I just have a question.

23 EXAMINATION

24 BY ATTORNEY HARTYE:

25 Q. Doctor, you said in recording blood losses

1 that it's your understanding that the circulating
2 nurse usually does that; is that right?

3 A. Uh-huh (yes).

4 Q. Where does she get that information?

5 A. What she records from the chest tubes, what
6 she records from the lap pads.

7 Q. Does the doctor participate in that?

8 A. Usually not.

9 ATTORNEY HARTYE:

10 That's all I have. Thank you.

11 ATTORNEY MATIS:

12 We'll read.

13 * * * * *

14 DEPOSITION CONCLUDED AT 3:00 P.M.

15 * * * * *

16

17

18

19

20

21

22

23

24

25

COMMONWEALTH OF PENNSYLVANIA:

COUNTY OF INDIANA:

C E R T I F I C A T E


I, Tammie B. Elias, R.P.R., Notary Public in and for the Commonwealth of Pennsylvania, do hereby certify:

That the witness was hereby first duly sworn to testify to the truth, the whole truth, and nothing but the truth; that the foregoing deposition was taken at the time and place stated herein; and that the said deposition was taken in Stenotype by me and reduced to typewriting, and constitutes a true and correct record of the testimony given by the witness.

I further certify that the reading and signing of said deposition were (not) waived by counsel for the respective parties and by the witness.

I further certify that I am not a relative, employee or attorney of any of the parties, nor a relative or employee of counsel, and that I am in no way interested directly or indirectly in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and stamp this 12th day of August 1999



Tammie B. Elias

•PITTSBURGH

•ERIE

•SOMERSET

•HARRISBURG

•INDIANA

SARA ANN SARGENT

COURT REPORTING SERVICE

210 Main Street
Johnstown, PA 15901
(814) 536-8908

•GREENSBURG

•HOLLIDAYSBURG

•PHILADELPHIA

•CLEARFIELD

•BELLEFONTE

[illegible]

PHILADELPHIA, PA
WILKES-BARRE, PA
CHARLESTON, WV
OIL CITY, PA
SOMERSET, PA
CLEARFIELD, PA