

1 IN THE COURT OF COMMON PLEAS OF
2 SUMMIT COUNTY, OH
3 CIVIL DIVISION

4 * * * * *

5 MICHAEL GRIMM, *

6 et al., *

7 Plaintiffs *

No.

8 vs. *

CV 96030894

9 ARDESHIR AZAR, M.D., *

10 et al., *

11 Defendants *

12 * * * * *

13
14 VIDEOTAPE DEPOSITION OF

15 JOHN S. ANASTASI, M.D.

16 MARCH 31, 1999

17
18
19
20 Any reproduction of this transcript

21 is prohibited without authorization

22 by the certifying agency

VIDEOTAPE DEPOSITION

OF

JOHN S. ANASTASI, M.D., was taken on behalf of the Defendants herein, pursuant to the Rules of Civil Procedure, taken before me, the undersigned, Tammie B. Elias, a Registered Professional Reporter and Notary Public in and for the Commonwealth of Pennsylvania, at the Altoona Hospital, 620 Howard Avenue, 7th Floor, F Building, Altoona, Pennsylvania, on Wednesday, March 31, 1999, at 4:42 p.m.

A P P E A R A N C E S

ROBERT C. MEEKER, ESQUIRE

MICHAEL BOWLER, ESQUIRE

Suite 200

80 South Summit Street

Akron, OH 44308

COUNSEL FOR PLAINTIFFS

MICHAEL OCKERMAN, ESQUIRE

Buckingham, Doolittle, Burroughs,

LLP

4518 Fulton Drive, N.W.

P.O. Box 35548

Canton, OH 44735-5548

COUNSEL FOR DEFENDANTS

1

I N D E X

2

WITNESS: JOHN S. ANASTASI, M.D.

3

EXAMINATION ON QUALIFICATIONS

4

by Attorney Ockerman 9 - 12

5

DIRECT EXAMINATION

6

by Attorney Ockerman 12 - 40

7

CROSS EXAMINATION

8

by Attorney Meeker 40 - 79

9

REDIRECT EXAMINATION

10

by Attorney Ockerman 79

11

RECROSS EXAMINATION

12

by Attorney Meeker 79 - 80

13

REDIRECT EXAMINATION

14

by Attorney Ockerman 80

15

CERTIFICATS 82

16

17

18

19

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
2E

EXHIBIT PAGEPAGE

<u>NUMBER</u>	<u>IDENTIFICATION</u>	<u>IDENTIFIED</u>
One	Curriculum Vitae	36

1	<u>OBJECTION PAGE</u>	
2	<u>ATTORNEY</u>	<u>PAGE</u>
3	Meeker	25
4	Meeker	39
5	Meeker	39
6	Ockerman	53
7	Ockerman	57
8		
9		
10		
11		
12		
13		
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		
24		
25		

P R O C E E D I N G S

- - - - -

VIDEOGRAPHER:

My name is Stacey
Commers, a Certified Legal
Video Specialist and my
address is 557 Russell
Avenue, Johnstown,
Pennsylvania. I'm
employed by Sara Ann
Sargent Court Reporting
Service, 210 Main Street,
Johnstown, Pennsylvania.
The date today is March
the 31st, 1999, and the
time is approximately 4:42
p.m.

This deposition is
being held **at** the office
of John Anastasi, M.D.,
Altoona Hospital, 7th
Floor, Altoona,
Pennsylvania.

The caption of the
case is: In the Court of

1 Common Pleas of Summit
2 County, Ohio. Case number
3 CV 96030894, Michael
4 Grimm, et al., Plaintiffs,
5 versus Ardeshir Azar,
6 M.D., et al., Defendant.

7 The name of the
8 witness is John Anastasi,
9 M.D. The deposition of.
10 Doctor Anastasi is being
11 taken on behalf of the
12 Defendant. Attorney
13 Meeker and Attorney Bowler
14 are present on behalf of
15 the Plaintiffs. Attorney
16 Ockerman is present en

17
18
19
20
21
22
23
24
25

- - - - -

EXAMINATION ON QUALIFICATIONS

2 BY ATTORNEY OCKERMAN:

3 Q. Good afternoon, Doctor
4 Anastasi. We're here in your office
5 in Altoona, Pennsylvania at Altoona
6 Hospital. My name is Michael
7 Ockerman. I'm here on behalf of
8 Doctor Azar, the Defendant in this
9 case. Would you please state your
10 name for the Jury?

11 A. John Anastasi.

12 Q. And what is your business
13 address?

14 A. 620 Howard Avenue,
15 Altoona, Pennsylvania.

16 Q. And Doctor, can you ---
17 we're here on March 31st, 1999.
18 We're scheduled to start trial on
19 April 5th, 1999. You were scheduled
20 to come in and appear live at
21 trial. Can you explain to the Jury
22 why you're unable to do that?

23 A. I recently began in
24 January to take on increased
25 clinical responsibilities by

1 starting a new practice in
2 Johnstown. Unfortunately with only
3 three surgeons it's made it very
4 difficult to cover two busy
5 practices, so I'm unable to leave.

6 Q Doctor, can you tell us
7 what your occupation is?

8 A. I'm a cardiothoracic and
9 vascular surgeon.

10 Q. Are you licensed?

11 A. I am.

12 Q. Where are you licensed at?

13 A. Currently in the State of
14 Pennsylvania.

Q. Do you spend more th'an 50
percent of your time in the active
17 clinical practice of medicine?

18 A. Ninety-eight (98) percent
19 of the time, 99 percent of the time,
20 clinical practice of medicine.

21 Q. Doctor, can you briefly
22 describe to the Jury the type of
23 practice that you have?

24 A. My practice is made up of
25 the surgical diseases of the

cardiac, thoracic and vascular
2 structures. We do **all** phases of
3 open heart surgery, with the
4 exception of transplant and
5 congenital heart disease. We do
6 thoracic surgery, lung resections,
7 aneurisms, esophageal work. And we
8 do all phases of vascular surgery
9 involving the head and neck, the
10 upper extremity and the lower
11 extremity as well as intra-abdominal
12 aneurisms and the like.

13 Q. Doctor, **do** you perform
14 surgery involving the arteries of
15 the lower extremities?

16 A. Yes.

17 Q. And can you give the Jury
18 an approximate number of those
19 surgeries of the arteries of the
20 lower extremities you perform per
21 year?

22 A. In the last --- practice
23 has been here for approximately nine
24 years now and we do between 300 and
25 450 major vascular procedures a

1 year.

2 Q. And you participate in
3 some or all of those?

4 A. In the first eight years
5 approximately 75 to 80 percent of
6 them was done by myself.

7 Q. And you've been here in
8 Altoona running this practice for
9 how long now?

10 A. Beginning ten years.
11 Since September of 1989.

12 Q. Do you feel you're
13 qualified to give opinions to this
14 Jury about the care rendered by
15 Doctor Azar to Michael Grimm

16 A. Absolutely.

17 DIRECT EXAMINATION

18 BY ATTORNEY OCKERMAN:

19 Q. Doctor, have you had the
20 opportunity to review medical
21 records in this case?

22 A. I have.

23 Q. Have you had the
24 opportunity to review Suma Hospital
25 records of 1993 and 1994?

4 I have

Q. Have you had the
opportunity to review a Doppler
study performed on Mr. Grimm in
1993?

A. I have.

Q. Have you reviewed
arteriograms or angiograms taken of
Mr. Grimm in 1993 and 1994?

A. I have.

Q. Have you --- have you
reviewed Doctor Azar's office notes?

A. I have.

Q. Doctor, based upon your
training and experience as a
vascular surgeon, do you have an
opinion based upon reasonable
medical certainty about the care
provided by Doctor Azar to r.
Grimm?

A. After reviewing the charts
I believe that the care was --- met
the standard of care, as established
standard of care

Q. Doctor, what is the basis

1 of that opinion? What I'm saying
2 is, can you briefly tell the Jury
3 why you're saying that?

4 A. Mr. Grimm presented to
5 Doctor Azar with complaints of pain
6 when he was walking. A noninvasive
7 Doppler study showed that he had
8 severe blockage of one of the major
9 arteries going to his lower leg. A
10 very acceptable treatment for that
11 condition to relieve the pain and
12 give the patient a better quality of
13 life is a bypass, a vascular
14 bypass. And that's what was done in
15 this case.

16 Q. Now, Doctor, there were
17 some complications from that
18 surgery. Can you discuss those
19 briefly?

20 A. The initial operation that
21 was done was a femoral popliteal
22 bypass with the use of a vein from
23 the --- the patient's own vein. It
24 worked initially very well,. And I
25 think 24 days later, the patient's

1 graft closed. He was taken back to
2 the operating room, the clot was
3 removed from the vein and I believe
4 a segment of vein, which Doctor Azar
5 felt to be the culprit problem, was
6 removed and the vein put back
7 together again, re-establishing good
8 flow to his lower leg.

9 Q. Is that something that you
10 have done in the past treating your
11 patients?

12 A. With in situ veins,
13 meaning a vein that **is** left in the
14 normal anatomical position of the
15 leg, you have to destroy the valves
16 in order to get the blood to flow in
17 the proper direction. There is
18 times that you can get a retained
19 valve, **a** vein that is --- a valve
20 that is not destroyed. That valve
21 can lead to a blockage in the graft
22 or an occluded graft. And you would
23 have to require taking out that
24 segment of vein in order to get rid
25 of the problem. And yes, I have had

1 that come up from time to time.

2 Q. Doctor, if I can stop you
3 for just a second. One of the
4 things that is in the operative
5 record from the initial surgery of
6 8/26/93 is that Doctor Azar had good
7 flow from the in situ vein graft.
8 Is that one way to check whether you
9 have gotten the retained valves or
10 not?

11 A. In the initial operation?

12 Q. Yes, sir.

13 A. It absolutely is a very
14 good way to check. But it doesn't
15 guarantee you 100 percent. And I
16 have had situations where I have
17 measured the pressure at the end of
18 the vein and it noted to be
19 perfectly fine, normal compared to
20 the brachial pressure that the ---
21 the radial pressure that they were
22 also checking. They would be
23 equal. And yet in a month or two
24 months later have a patient come
25 back, the pulse isn't as good and we

1 check it out and there would be a
2 retained valve there. I've even
3 done arteriograms in the operating
4 room immediately after finishing a
5 case and appearance to be perfectly
6 normal only to get a repeat
7 arteriogram two months down the line
8 seeing a retained valve.

9 Q. Doctor, one of the
10 criticisms of Plaintiff's expert is
11 that Doctor Anastasi did not get
12 arteriograms ---

13 A. Doctor Azar.

14 Q. --- Doctor Azar did not
15 get arteriograms after the surgery.
16 Is that something that's required by
17 the standard of care?

18 A. It is not. Not all
19 vascular surgeons do arteriograms
20 after every operation. I think ---
21 I do not do them after every
22 operation. There are risks involved
23 with giving arteriogram dyes,
24 especially to patients with dye
25 allergies or renal kidney

1 insufficiency. I only do them if I
2 believe I have a technical problem
3 or if I'm not satisfied with the
4 pulse that I'm hearing beyond my
5 graft or that I could feel beyond my
6 graft.

7 Q. I believe that the surgery
8 that Doctor Azar performed in
9 September of 1993 was shown to be
10 successful but later on the graft
11 occluded again?

12 A. That's correct.

13 Q. Can you explain that to
14 us?

15 A. On reviewing the
16 arteriograms that I saw that were
17 done approximately 10 or 11 months
18 after the second operation, after
19 the revision of the in situ graft,
20 this patient had a very remarkable
21 progression of his disease. And by
22 that I meant on the first
23 arteriogram that I saw, he had one
24 blockage in the popliteal artery,
25 complete 100 percent blockage and

1 then had some small blood vessels
2 going around the knee, vessels we
3 call collaterals which gave some
4 flow to outline the blood vessels in
5 the lower leg.

6 On the arteriogram I saw
7 11 months later, there was not only
8 a progression of disease, meaning
9 more blockage in the artery up in
10 the groin in the femoral region, but
11 there was also marked progression
12 disease in the three blood vessels
13 that normally go from the knee to
14 the foot. Instead of being three
15 there was only one dominant vessel
16 now. *So* in just 11 months' time he
17 had pretty significant progression
18 of disease.

19 Q. Doctor, I believe that the
20 arteriogram you're speaking of was
21 taken on 8/9/94. Did you have the
22 opportunity to review that some time
23 ago?

24 A. I did. It was a while
25 ago. That's what I'm referring to.

1 Q. Okay. Doctor, I believe
2 then the patient again had a failure
3 of that graft. Can you explain what
4 occurred after that surgery of 8/11
5 and 8/12/94?

6 A. You mean after the second
7 arteriogram was done?

8 Q. Yes.

9 A. He was taken back to the
10 - - -

11 ATTORNEY MEEKER:

12 No. No. The second
13 arteriogram was September
14 '93. You're now into
15 August of '94, the third
16 arteriogram.

17 ATTORNEY OCKERMAN:

18 Off the record.

19 VIDEOGRAPHER:

20 At this time we're
21 going off the record.

22 It's 4:52.

23 OFF RECORD DISCUSSION

24 VIDEOGRAPHER:

25 At this time we're

1 back on the record. It's
2 4:53.

3 BY ATTORNEY OCKERMAN:

4 Q. Doctor, when you were
5 talking about progression of his
6 disease which you saw on the
7 arteriogram, you were speaking of
8 the 8/9/94 arteriogram?

9 A. I'm speaking of the
10 arteriogram that was done
11 approximately 10 or 11 months after
12 the second operation.

13 Q. Okay. Approximately a
14 month later the graft clotted again
15 and Doctor Azar took the patient
16 back to surgery. Was that a
17 reasonable thing to do?

18 A. Absolutely.

19 Q. And do you know what
20 occurred as a result of the 9/3/94
21 surgery?

22 A. I believe at that
23 operation he did the femoral to
24 posterior tibial bypass with
25 gortex. And after that operation,

1 the graft remained open, I believe,
2 for one day and then closed the
3 following day. At that point I
4 believe he was sent to another
5 institution.

6 Q. And do you know what
7 institution he was sent to?

8 A. I believe he went to
9 Cleveland Clinic.

10 Q. Do you know what occurred
11 there?

12 A. I believe that they
13 attempted --- they removed a lot of
14 the grafting material that was done
15 at previous operations. They looked
16 for a vein in the patient's arms and
17 in the other leg, did not find
18 anything satisfactory. I believe
19 they then used gortex again down to
20 the same blood vessel that Doctor
21 Azar had in his final operation.
22 That graft did not --- did not work
23 initially, they had to use some
24 Urokinase infusions to break up
25 clots in the distal vessels in the

1 feet.

2 Q. And you're saying vessels
3 that were further away from where?

4 A. Further away from their
5 bypass, correct.

6 Q. And did anything that
7 Doctor Azar do prevent the Cleveland
8 Clinic from getting a good result?

9 A. I don't believe so, no.

10 Q. And why do you say that?

11 A. They were starting from
12 scratch basically. They could not
13 find --- the best conduit to use ---
14 the further you go down in the leg
15 the best thing to use is the
16 patient's own vein because that
17 stays open the longest. If you
18 don't have the patient's own vein,
19 then you have to use a prosthetic
20 material. There are two types you
21 can use. You can use cadaver vein,
22 which is in some patients very good,
23 it's a dead person's vein that
24 they've donated
25 , or you could use plastic.

1 Plastic, the further you
2 go down the leg in plastic, the
3 worse results anyone will have with
4 the graft staying open. In fact,
5 there have been times when I've used
6 plastic down that far that I have
7 created a fistula between the artery
8 and the vein just in attempt to keep
9 the vein open because they
10 notoriously have a very difficult
11 time staying open.

12 Q. And at the Cleveland
13 Clinic did they like to use vein?

14 A. They did apparently, yes.

15 Q. In all extremities and
16 were unable to find anything useful?

17 A. Correct. Correct.

18 Q. Do you have an opinion why
19 the surgery that was done at the
20 Cleveland Clinic failed?

21 A. You know, I was not there
22 so I don't know what they came in
23 to. I don't know how ---
24 technically what kind of job they
25 had done, what size the artery was,

1 was it a good vessel for bypass, how
2 good the inflow was. There's a
3 million reasons why grafts can close
4 and I don't know what they were
5 faced with.

6 Q. If you were to list any of
7 the reasons, what would be at the
8 top of your list?

9 ATTORNEY MEEKER:

10 Objection. He's
11 already indicated he
12 doesn't know.

13 BY ATTORNEY OCRERMAN:

14 Q. Go ahead, Doctor, you can
15 answer.

16 A. The reasons that a graft
17 closes are, as I said, poor inflow,
18 the artery above isn't good or poor
19 outflow, the artery that you're
20 bypassing into is very small and the
21 blood doesn't have anywhere to go,
22 so the graft slows down and closes.

23 Q. Is that called runoff?

24 A. Runoff, correct.

25 Q. Doctor, in your treatment

1 of patients with --- in lower
2 extremity peripheral vascular
3 disease, have you had patients who
4 have ended up with an amputation?

5 A. Unfortunately, yes.

6 Q. Despite all the best
7 efforts?

8 a. Correct.

9 Q. Doctor, if I can I'd like
10 to go through a couple things in a
11 little organized fashion. One of
12 the things I'd like to talk to you
13 about is the effects of smoking,
14 such as a three to ---
15 three-pack-a-day habit. What can
16 that **do** to your arteries?

17 A. Smoking causes a
18 vasoconstriction of the arteries.
19 It narrow --- meaning it narrows the
20 arteries. And it can make a natural
21 cause **of** atherosclerosis, hardening
22 of the arteries, it can accelerate
23 that. And we have patients that we
24 **do** bypasses on all the time that
25 will continue to smoke and they will

1 close their grafts because **of** the
2 effects of the vasoconstriction.

3 Q. Doctor Anastasi, one of
4 the studies that was performed on
5 Mr. Grimm was a Doppler study. Can
6 you explain to the Jury what a
7 Doppler study is and when this was
8 performed on Mr. Grimm?

9 A. This Doppler study --- let
10 me see a date here. It looks like
11 the date of this study was July
12 21st, 1993. And what a Doppler
13 study --- what this ultrasound study
14 is **is** what we call a noninvasive
15 technique in order to assess how
16 good the blood supply is to any
17 extremity **or** in the neck. You could
18 basically do it anywhere. And what
19 this study says is that his right
20 leg was normal but on his left side
21 he had a severe blockage in the
22 popliteal artery. And that his
23 ankle brachial index was .38. And I
24 can explain what that means. Would
25 you like me to explain what that

1 means?

2 Q. Would you please?

3 A. Okay. An ankle brachial
4 index means that your blood pressure
5 --- in a normal person your blood
6 pressure at your ankle ought to be
7 the same as your blood pressure in
8 your arm. So if your blood pressure
9 in your arm is 120 millimeters, like
10 if you have a 120 --- 120/80 blood
11 pressure, the blood pressure in your
12 ankle ought to be the same. **So** if
13 we put 120/120, that ratio is equal
14 to one. As the numerator drops, if
15 you have a blockage in your leg and
16 if your blood pressure in your ankle
17 then goes from 120 down to 100, down
18 to 90, down to 80, that numerator
19 drops and that's going to make this
20 number one go from one to .9 to .8
21 to .7 to .5. Simply that means if
22 you have an ankle brachial index
23 which is .5, it means that you're
24 only getting 50 percent of the
25 normal blood supply to your ankle.

1 If you have .38, you're only getting
2 38 percent of the normal blood
3 supply to your ankle.

4 And this study shows that
5 he was only getting about 38 percent
6 of the blood supply to his ankle, to
7 his foot.

8 Q. And Doctor, one **of** the
9 things that Mr. Grimm complained
10 about at the time of this study was
11 claudication?

12 A. Correct.

13 Q. What is claudication?

14 A. Claudication is pain while
15 walking. Usually in this patient it
16 would be pain in the calf or the
17 foot while walking.

18 Q. And what is causing the
19 claudication?

20 A. The blockage in the
21 popliteal artery would not allow
22 blood to go easily down to his calf
23 and to his lower foot. **So** while
24 you're just sitting there it seems
25 to be enough blood supply going to

1 your foot, you can get around
2 through these little collaterals
3 that he has developed, small blood
4 vessels that will grow when there's
5 a blockage. But when he walks, the
6 foot or the muscle in the calf yells
7 for more oxygen, for more blood.
8 But they can't get it because even
9 though the heart's pumping the blood
10 down there, with the blockage, it
11 can't get through and so you get
12 pain in your calf.

13 It's the same way people
14 with heart blockages have angina,
15 have chest pain, they just don't get
16 enough blood supply to their heart
17 when they're doing something.
18 That's how they get chest pain with
19 exertion. Well, this is angina of
20 the leg is what this is.

21 Q. And I believe that Doctor
22 Azar also ordered an arteriogram
23 that was performed on August 26th,
24 1993?

25 A. Correct.

1 Q. Is that something you do

2 ---

3 A. Absolutely.

4 Q. --- prior to doing any
5 bypass surgery?

6 A. Correct. It gives you a
7 road map of where to put your
8 bypass.

9 Q. And did that together with
10 the patient's complaints and the
11 Doppler study, are those --- were
12 those indicative for the need for a
13 bypass surgery?

14 A. Absolutely.

15 Q. And I think the bypass
16 surgery that Doctor Azar performed
17 was an in situ vein graft?

18 A. Correct.

19 Q. Can you explain that to
20 the Jury?

21 A. Again, in situ means in
22 place. The saphenous vein is a vein
23 that runs from your groin down to
24 your ankle. And veins in our body
25 and our legs is --- the reason we

1 have veins is that as the blood
2 supply goes down your lower legs
3 from your heart, pushes down all the
4 blood vessel to your ankle, it's got
5 to get back to your heart some way.
6 And the way it gets back is, it gets
7 back through the veins. And as you
8 walk, your muscles pop, it pushes
9 the blood back up to your heart.
10 The reason that our legs don't swell
11 up is because there are one-way
12 valves in these veins. And so the
13 valves prevent the blood from making
14 our legs swell up. And you see
15 patients all the time that got big
16 swollen legs is because they've
17 either had veins stripped or they've
18 got lousy valves in their veins.
19 **So** what we have found
20 doing vascular surgery is that the
21 arteries up at the groin are large.
22 And the arteries down at the ankle
23 are small. While, the saphenous
24 vein is the same way, the vein up in
25 the groin is large and the vein down

1 in the ankle is small. So if you're
2 doing an operation, you like to put
3 the large part to the large part and
4 the small part to the small part.

5 For many years, we used to
6 take the vein, take it out and
7 reverse it because the flow has got
8 to go in the opposite' direction of
9 the way the valves are. So we would
10 reverse the Vein and we would put it
11 in. But then we would have the
12 large end at the small part of the
13 artery and vice versa the other
14 way. So years ago we developed a
15 technique called in situ vein where
16 you left the vein in place, you
17 didn't turn it around, you put a
18 tool up to rip out all the valves so
19 that you'd have the large end at the
20 large end and the small end at the
21 small end, just anatomically works
22 better.

23 Q. Now, after you do one of
24 these bypass surgeries, how can you
25 tell if the bypass is working?

1 A. Several ways. First of
2 all, you open the artery at one end,
3 you open the artery at the other end
4 and you sew the two ends together.
5 It's like plumbing. It's actually
6 kind of simple. After you get done
7 with that, you re-establish the
8 flow. You let the blood pass
9 through the vein. And you can put
10 your finger on the artery beyond
11 where you sewed it in and you can
12 feel a pulse. Now, some people's
13 arteries are very hard, even beyond
14 where you sewed it in. And so you
15 can take a Doppler and you can
16 listen to the pulse either beyond
17 where you went or down at the ankle
18 and hear how the flow is.

19 Q. In this case, what did
20 Doctor Azar do to ensure that his
21 grafts were working?

22 A. I believe he palpated it
23 and I believe he listened with a
24 Doppler.

25 Q. Do you know whether ---

1 while the patient was in surgery ---
2 or while the patient was in the
3 hospital during his numerous
4 hospitalizations if that was also
5 done by other health care providers?

6 A. Yes, it was done by the
7 nurses. And they also have, in
8 surgeries, got Doppler flows on it.
9 Can I stop for a second?

10 - VIDEOGRAPHER:

11 At this time, we're
12 going. It's 5:04.

13 SHORT BREAK TAKEN

14 VIDEOGRAPHER:

15 At the time we're
16 back on the record. It's
17 5:06.

18 BY ATTORNEY OCKERMAN:

19 Q. Doctor, after Doctor Azar
20 did the second operation on Mr.
21 Grimm, he then put him on Coumadin?

22 A. Correct.

23 Q. What is that for?

24 A. Coumadin is a blood
25 thinner. And if you have patients

1 that you're having problems keeping
2 grafts open, some of these patients
3 can be hypercoagulable. And by
4 putting them on Coumadin, you thin
5 out the blood and you increase your
6 chances of keeping the graft open.

7 Q. And despite doing that,
8 the patient's graft still closed?

9 A. Correct.

10 Q. Doctor, if we can take a
11 few minutes, I'd like to hand you
12 what we'll mark as defendant's
13 Exhibit A.

14 (Defendant's Exhibit
15 A marked for
16 identification.)

17 BY ATTORNEY OCKERMAN:

18 Q. Can you identify that for
19 us?

20 A. This is my Curriculum
21 Vitae.

22 Q. And, Doctor, is that up to
23 date?

24 A. Appears to be, yes.

25 Q. Doctor, could you briefly

1 tell the Jury where you went to
2 medical school at?

3 A. I went to medical school
4 at the Bowman Gray School of
5 Medicine, Wake Forest University,
6 North Carolina.

7 Q. Did you then do an
8 internship and residency?

9 A. I did internship and
10 residency at New York University,
11 Bellevue Medical Center in general
12 surgery and did a cardiac vascular
13 residency at Allegheny General
14 Hospital in Pittsburgh.

15 Q. Are you Board Certified?

16 A. Board Certified in general
17 and thoracic surgery.

18 Q. Doctor, you and I have
19 known each other for some time.
20 Could you just briefly tell the Jury
21 how we know each other?

22 A. In 1987 I finished my
23 training at Allegheny Hospital and
24 the first job that I took was a
25 cardiovascular thoracic surgeon at

1 the Aultman Hospital in Canton,
2 Ohio. And at that time Mr. Ockerman
3 was a surgical assistant, had been
4 working at Canton, I guess, several
5 years. And he used to assist me
6 doing open heart surgery and
7 vascular surgery.

8 Q. Doctor, did you --- are
9 you reviewing this case as a favor
10 to me?

11 A. No, not necessarily.

12 Q. Are you --- have you
13 reviewed prior cases for me?

14 A. I have.

15 Q. And have you been able to
16 render opinions in those cases that
17 are favorable to the doctors?

18 A. None that you were happy
19 with.

20 Q. So this is the only case
21 in which you have been able to
22 defend the standard of care **of** a
23 physician that I've asked you to
24 review?

25 A. That's correct.

1 Q. Are you being paid for
2 your time?

3 A. I hope so.

4 Q. Doctor, again, based upon
5 reasonable medical probability and
6 certainty, do you have an opinion
7 whether Doctor Azar met the
8 appropriate standard of care of a
9 surgeon in his treatment of Mr.
10 Grimm? Do you have an opinion?
11 A. Yes, I do.
12 Q. What is that opinion?
13 A. I believe that he met t~~h~~^e
14 standard of care ~~x~~^e wi~~th~~^o an
15 indication - - -.

16 ATTORNEY MEEKER:

17 Objection. He
18 answered the question.

19 BY ATTORNEY OCKERMAN:

20 Q. Doctor, why do you believe
21 he met the standard of care?

22 AMMORN~~EY~~ M~~E~~~~E~~K~~E~~R:

23 This has be~~e~~^en asked
24 and answer~~d~~^d I o~~b~~^oject.

25 BY AMMORN~~EY~~ OCKERMAN:

1 Q. Go ahead, Doctor.

2 A. He did an indicated
3 operation. He did a perfectly
4 acceptable operation. And although
5 the operation had difficulties, he
6 kept going back and back until he
7 found the satisfactory answer for
8 the patient. He didn't give up on
9 him. And I think that meets the
10 standard of care.

11 ATTORNEY OCKERMAN:

12 Thank you, Doctor. I
13 have no further questions.

14 CROSS EXAMINATION

15 BY ATTORNEY MEEKER:

16 Q. Doctor, did I understand
17 you to say that you were Board
18 Certified in general surgery and
19 thoracic surgery?

20 A. That's correct.

21 Q. And you are not Board
22 Certified in vascular surgery?

23 A. Specifically vascular, I
24 am not.

25 Q. If Mike Grimm had walked

1 in your office August 17, 1993,
2 explain what you would have done to
3 assess this patient, to examine him
4 and to move forward with the
5 diagnosis?

6 A. I would have taken a
7 history from him, I would have done
8 a physical exam. And I would have
9 found out what his main
10 symptomatology was, how much
11 discomfort he was in, what his
12 quality of life was like, what his
13 current habits are, what his
14 cigarette smoking level was, what
15 his family history was for
16 cardiovascular disease. And then I
17 would have made an assessment on the
18 basis of all of that.

19 Q. But you do consider him as
20 he walks in that day as being a
21 serious, serious situation?

22 A. Absolutely.

23 Q. And you would have done
24 these things or you would have had a
25 nurse do them?

1 A. We usually --- our
2 standard practice here is that the
3 patient fills out a lengthy
4 questionnaire regarding what his
5 problem is, medication, past history
6 and this kind of thing. And then we
7 review it on the outside before we
8 walk in to see the patient. We
9 review any Doppler studies they
10 have. And then by the time I get in
11 there with the patient, I have a
12 general idea of what's going on.
13 And then I ask them specific
14 questions.

15 Q. And you make the physical
16 examination?

17 A. Correct.

18 Q. Typically, how much time
19 do you spend with a patient under
20 those circumstances that you've just
21 described? You yourself, not your
22 nurse?

23 A. It really depends on how
24 busy the clinic is, how busy I am
25 and ---.

1 Q. Well, to meet your
2 standard of care, to have a proper
3 physical, to talk to your patient,
4 to come up with a course of action,
5 how much time minimally is it going
6 to take you?

7 A. Somewhere between 15
8 minutes and I've been in there as
9 long as 45 minutes.

10 Q. And would that --- you say
11 this depends on how busy the clinic
12 is at that time?

13 A. How busy the clinic is,
14 how busy I am and how many questions
15 the patient has and the family has.
16 There are some people that you can
17 summarize a situation to very
18 quickly and give them their options
19 and they can make a decision. There
20 are other patients that have many
21 questions regarding their
22 alternatives, their expectations.
23 And so it takes a lot more time.

24 Q. In examining Mike Grimm's
25 note with Doctor Azar on 8/17 ---

1 you've done that you indicated?

2 A. Uh-huh (yes).

3 Q. You can look at it if you
4 need to. But it says essentially
5 referral from Markwert (phonetic),
6 Doctor Markwert, possible fem pop,
7 claudication, pain in left leg upon
8 walking, it says one to 200 hundred
9 feet?

10 A. Okay.

11 Q. Did you find anywhere in
12 the record where Mike Grimm said one
13 to 200 feet other than this note? I
14 mean, it was in several places in
15 the record where they were talking
16 about 200 feet some places 200
17 yards, it's throughout this record?

18 A. I couldn't tell you
19 exactly. That's the number I
20 remember 100 to 200 feet.

21 Q. You're interpreting that
22 as meaning 100 to 200 feet?

23 A. Yes.

24 Q. It doesn't say that,
25 though, does it?

1 A No, it doesn't. It says
2 one to 200 hundred feet but I
3 thought it was 100 to 200, that he
4 was just abbreviating it but I
5 could be wrong.

6 Q. Have you talked to Doctor
7 Azar in this case?

8 A. I have not.

9 Q. So you really don't know
10 what he intended?

11 A. I have not. Correct.

12 Q. But as you read it it says
13 one to 200 feet ---

14 A. Right.

15 Q. --- which seems to suggest
16 that upon walking as short a
17 distance as a foot that he had
18 claudication and meaning pain in his
19 calf?

20 A. That's what the text says
21 That's not what I assume it to
22 mean.

23 Q. Well, I realize you're
24 assuming it's something different

25 A. Right

1 Q. It would help you to know
2 exactly what Mike Grimm was
3 complaining about, wouldn't it? If
4 that one to 200 feet is wrong, you'd
5 certainly want to know that. You'd
6 want that note corrected as far as
7 your evaluation?

8 A. Correct.

9 Q. Let's go to the
10 arteriogram from the 26th. That's
11 very important for us to understand
12 how he was when they first did this
13 very important diagnostic test;
14 don't you agree?

A. I'm sorry?

Q. The first arteriogram the
17 day before the first surgery?

18 A. Right.

19 Q. August 26th, 1993?

20 A. Right.

21 Q. This is a key test for us?

22 A. Correct.

23 Q. Perhaps even more
24 important than the Doppler at this
25 point now, just going into surgery?

1 A. It's a road map,
2 absolutely, yes.

3 Q. Why don't we review then
4 to see exactly what his status was
5 at that time. Mild plaque formation
6 in the left common femoral artery.
7 The SFA --- which stands for what,
8 Doctor?

9 A. Superficial femoral
10 artery.

11 Q. --- and profunda femoris
12 are normal. Now, the superficial
13 femoral feeds into the popliteal; is
14 that true?

15 A. Correct.

16 Q. There is a tapered
17 narrowing of the proximal left
18 popliteal with a three centimeter
19 length occlusion of the popliteal
20 artery above the knee joint. That
21 means about an inch; would that be
22

23 A. About so far, right.

24 Q. There's collateral
25 reconstitution. Explain to the Jury

1 what that means.

2 A. What it means is that at
3 the superficial femoral artery came
4 down and becomes a popliteal at the
5 knee level, it was 100 percent
6 blocked. And collateral
7 circulation, as I said before, are
8 small blood vessels that respond.
9 As an occlusion occurs, it doesn't
10 occur overnight in chronic
11 patients. It occurs slowly. And as
12 the occlusion narrows, the
13 collaterals grow and they grow to
14 try to feed the blood vessels below
15 the occlusion.

16 Q. So when it says there is
17 collateral reconstitution, does that
18 mean that there's adequate **blood**
19 getting to the leg, ---?

20 A. No.

21 Q. --- getting to the lower
22 leg?

23 A. **No.** It means that this is
24 a means **of** getting some blood to the
25 lower leg. Collateral flow is not

1 as good as direct flow.

2 Q. Well, do we know based on
3 that current situation whether
4 there's been any compromise to the
5 lower leg up to that point?

6 A. Compromise how? In what
7 way?

8 Q. Well, is something
9 happening as a result: **of** not enough
10 blood getting to that lower leg?

11 A. Yes, he's having pain when
12 he's walks. **So** he's being
13 compromised.

14 Q. Other than that, **do** we see
15 any other compromise up to this
16 point?

17 A. I did not examine his foot
18 so I don't know.

19 Q. Well, Doctor Azar, of
20 course, did examine him.

21 VIDEOGRAPHER:

22 At this time we're
23 going off the record.

24 It's 5:16.

25 SHORT BREAK TAKEN

1 VIDEOGRAPHER:

2 At this time we're
3 back on the record. It's
4 5:16.

5 BY ATTORNEY MEEKER:

6 Q. Did you find anything in
7 Doctor Azar's record, operative
8 note, discharge summary, office
9 notes, which indicated any kind of
10 compromise to the leg as of that
11 time other than this claudication at
12 one to 200 feet?

13 A. I did not.

14 Q. I'm then noting that it
15 says the anterior tibial, comma,
16 posterior tibial, peroneal ---?

17 A. Peroneal.

18 Q. Peroneal, I'm sorry. ---
19 arteries are unremarkable. Does
20 that mean he doesn't find any ---?

21 A. There's no disease in
22 them.

23 Q. There's no disease in
24 them?

25 A. Correct.

1 Q. And those are the three
2 arteries that then feed off of ---?

3 A. As the popliteal artery
4 comes down below the knee, it breaks
5 into three blood vessels, these
6 three. And they all go down and
7 feed the foot.

8 Q. So what I see that saying
9 to us then is that those three are
10 fine. We see no disease. The
11 femoral right above the popliteal is
12 fine?

13 A. Uh-huh (yes).

14 Q. And the popliteal, a
15 one-inch segment, is occluded and
16 there is collateralization taking
17 blood around that area to get back
18 to those three?

19 A. That's correct.

20 Q. And that's his condition
21 at that time?

22 A. Correct.

23 Q. Now, if we were to go to
24 the --- to a point 11 months later
25 or a year later, can you go to that

1 arteriogram for me, please, eight,
2 nine? Let's go to the same left
3 leg. I'd like to know the condition
4 of the left leg arteries at that
5 time?

6 A. This paragraph says the
7 left SFA occludes within three
8 centimeter of its origin.

9 Q. So now the SFA is now
10 blocked ---

11 A. Correct.

12 Q. --- where it wasn't a year
13 before?

14 A. Much higher up. The
15 profunda femoris is hypertrophied.
16 There seems to be disease in the
17 deep femoral artery as well. There
18 are some collaterals at the thigh.
19 There's reconstitution of the native
20 left SFA in the distal thigh. The
21 popliteal artery is considerably
22 attenuated. **So** it's really thinned
23 out now above the knee joint
24 measuring two millimeters. There's
25 occlusion now **of** the anterior tibial

1 artery which was normal before. And

2 ---

3 Q. Doctor, excuse me. I'm
4 sorry. What do you mean by the
5 popliteal is thinned out?

6 A. It appears to have more
7 disease in it now. It appears
8 normal originally below the
9 blockage. And now its thinned out
10 and narrowed. It means it has
11 disease.

12 Q. Well, how did it get .
13 thinned out and narrowed?

14 A. The same way his SFA
15 occluded. He's had progression of
16 his atherosclerosis.

17 Q. Is there any other
18 possibility or 'probability that
19 caused this to thin out?

20 ATTORNEY OCKERMAN:

21 Objection. Can you
22 phrase it in probability
23 or possibility.

24 ATTORNEY MEEKER:

25 Probability.

1 ATTORNEY OCKERMAN:

2 Okay. Thank you.

3 BY ATTORNEY MEEKER:

4 Q. You're not evaluating him
5 on 8/9 after the arteriogram, you
6 notice that the popliteal is thinned
7 out and narrowed?

8 A. Uh-huh (yes).

9 Q. Now, what are you looking
10 for, the reasons that cause that
11 particular problem?

12 A. The only two reasons that
13 I can think off the top of my head
14 is that he's had progression of his
15 atherosclerotic disease or he could
16 have started smoking again. And
17 this represents some vasospasm.

18 Q. Now, you have now compared
19 the situation in August of '93
20 compared to August of '94. If you
21 had had this patient walk in, would
22 you have provided him with options
23 for treatment other than just the
24 surgery?

25 A. At what point are we

1 talking about?

2 Q. When you first see him,
3 August 17, 1993?

4 A. I always give patients
5 options for treatments.

6 Q. What other option was
7 available to Mr. Grimm other than
8 this in situ bypass?

9 A. It was a conservative
10 treatment.

11 Q. What does that mean?

12 A. It means non-operative and
13 it would mean complete cessation of
14 smoking. It would mean a vigorous
15 exercises program to try to increase
16 his collateralization. It would
17 mean taking a look at his
18 cholesterol and triglycerides and
19 maybe some drugs to affect that.
20 Possibly anticoagulation with
21 Coumadin to improve the flow to his
22 lower leg. And there are some other
23 drugs that are available for people
24 with vascular disease which aren't
25 very successful but some people use.

1 Q. This is a realistic option
2 for him upon first being seen by
3 you?

4 A. It can be, yes.

5 Q. Is there anything you saw
6 in Doctor Azar's record, and I'm
7 going now to his 8/17/93 note, or
8 his operative note or his
9 post-operative note from 8/24 to
10 show that he offered conservative
11 treatment or he suggested that as an
12 option?

13 A. He might have. But I have
14 no way of knowing that.

15 Q. No my question was did
16 you find anything in the record?

17 A. I don't find anything in
18 the record that ---.

19 Q. And no information has
20 been provided you that he ever did
21 that?

22 A. That's correct.

23 Q. Mr. Ockerman has not
24 suggested that and the record has
25 not suggested that; correct?

1 ATTORNEY OCKERMAN:

2 Objection. Go

3 ahead.

4 A. Mr. Ockerman has never
5 suggested anything to me that isn't
6 in the chart.

7 BY ATTORNEY MEEKER:

8 Q. Okay. So since it's not
9 in this chart, you have no
10 information that that was ever
11 proposed?

12 A. Correct. I wasn't there
13 so I don't know whether he discussed
14 it with him or not.

15 Q. No, I understand. I
16 understand you weren't. Okay. Now,
17 we work our way up to this whole
18 subject of after the 9/24 surgery of
19 '93, the surgery a month later ---
20 excuse me, the 8/27 surgery of '93,
21 the first surgery, ---

22 A. Okay.

23 Q. ... second surgery about a
24 month later, ---

25 A. Right.

1 Q. --- you explained to us
2 that you do not abide by the concept
3 of using a completion arteriogram.
4 I think I've heard you say ---
5 that's true; is it not?

6 A. Not in every case.

7 Q. What percentage of your
8 cases do you do that?

9 A. Probably 50/50.

10 Q. And what causes you to
11 choose that?

12 A. If I'm technically
13 concerned about the operation I have
14 done, if I'm worried that I might
15 not have sewn the artery as perfect
16 as I would like to, if I go very far
17 down the lower leg and the vessel is
18 very small and I want to document
19 that I've got good runoff then I'll
20 do it. But if I do a
21 straightforward femoral popliteal
22 bypass and I'm happy with it, I
23 won't do an arteriogram.

24 Q. Doctor Azar suggests and
25 the record suggests several places

1 that Mr. Grimm had particularly
2 small veins. Do you remember
3 reading that?

4 A. That the vein was small.
5 The arteries, I didn't read about
6 the arteries. Are we talking about
7 the artery or the vein?

8 Q. No, the vein. And if
9 you're doing an in situ bypass, is
10 that a factdr you would consider
11 that you would want to be
12 particularly careful that it's
13 technically correct and consider ---

14 A. No.

15 Q. --- a completion
16 arteriogram?

17 A. I don't. No, I don't make
18 a decision ---

19 Q. How can you adequately
20 assess your bypass without a
21 completion arteriogram?

22 A. First of all, I'm doing
23 distal anastomosis or I'm doing a
24 proximal, I'm sewing it together and
25 I'm looking at every bite. And if

1 I'm comfortable that I've got a good
2 artery that I'm sewing into and I
3 can see every bite and before I tie
4 the artery down, I put a probe
5 through, a 1.5 or two millimeter
6 probe. If that probe passes
7 through, I know I have a good
8 anastomosis. After I'm done with
9 the bypass, I feel the artery beyond
10 where I sewed it in. I'll listen.
11 And if I've got good sounds and I've
12 got good pulse there, I'm happy I
13 have a good anastomosis.

14 Q. But in 50 percent of your
15 cases you'll do a completion
16 arteriogram?

17 A. Correct.

18 Q. And that's part of your
19 standard of care that you adopt in
20 caring for your patients?

21 A. Correct.

22 Q. Now, how about if you do a
23 bypass in August and you have to do
24 it over in September? Has that now
25 raised a red flag for you that you

1 better do one at the end of that
2 second surgery? Is that not ---?

3 A. Depends for the reason for
4 the closure.

5 Q. What do you mean?

6 A. Well, if I've got a
7 retained valve in the middle of my
8 vein graft and I know that's the
9 reason after I do an embolectomy, if
10 I have great back bleeding coming
11 from where I tied it in below, if
12 I've all of this blood coming back,
13 that means that I'm open below and
14 I've got good runoff. And if I've
15 got great forward bleeding coming
16 down from the thigh and I find an
17 area of retained valve, I'll just
18 cut out the valve and patch it or
19 put it together. And I might not
20 necessarily do an arteriogram.

21 Q. We know that that's
22 something similar to what Doctor
23 Azar did. He cut cut that one inch
24 that was occluded that he saw in
25 September; didn't he?

1 A. Correct.

2 Q. And then he pulled the two
3 --- the vein on one end and the
4 artery on the other end and tied
5 them?

6 A. No, vein to vein.

7 Q. Vein to vein and tied it?

8 A. Correct.

9 Q. Now, if you had that on
10 top of knowing you had to repeat
11 that after only a month, is that not
12 another factor that you would
13 consider in whether to do a
14 completion arteriogram?

15 A. No, because that was only
16 one factor. The first operation
17 went fine and he had no thoughts or
18 problems about that. He had a good
19 pulse afterwards. Twenty-four (24)
20 days later his graft closed. So
21 this was the first problem we had
22 with the graft. He found the
23 problem in the vein and he took care
24 of it. And again, the back bleeding
25 and the forward bleeding tells him

1 he's open on top to bottom.

2 Q. Now, let's go away from
3 the surgery then. We're now at
4 9/24. Would you not watch carefully
5 how his pulses were doing between
6 that time and when he gets out of
7 the hospital in late September, in
8 fact, September 28th?

9 A. This is after the second
10 operation?

11 Q. Yes. Wouldn't that have
12 been important for you to know this
13 isn't happening again?

14 A. Absolutely.

15 Q. Did you notice that he had
16 pulses --- very weak pulses and
17 there were several finds by the
18 nurses about low pulses during that
19 period of time, before he got out of
20 the hospital?

21 A. I'd have to see that. I
22 don't remember it.

23 Q. Well, without making you
24 go into the record, which we can do,
25 although I realize we're very short

1 of time, let me go forward. Pulses
2 present but not strong. I want to
3 go forward from that point and go to
4 this October 5th visit to the
5 Doctor. Now he's out of the
6 hospital, he's back in to see the
7 Doctor. And they find on October
8 5th that the left foot was numb on
9 the right side and the great toe was
10 cold to the-touch. Are those
11 significant findings?

12 A. Could be.

13 Q. Do those raise a red flag
14 for you that there could be a
15 question whether your bypass is
16 failing again?

17 A. Depends on what the pulses
18 were like.

19 Q. And does that not call you
20 to consider doing another
21 arteriogram?

22 A. It might.

23 Q. You didn't notice that
24 Doctor Azar did anything at that
25 point other than put him on

1 Coumadin, isn't that true, on
2 October 5th?

3 A. Again, I'd have to look at
4 his note. I don't have exact memory
5 of what he did at that visit.

6 Q. I'm happy to have you look
7 at his, Doctor Azar's, note at that
8 point.

9 A. Left foot numb on right
side. Right toe cold to touch. No
11 pain. Short **walk**, doing better. He
12 notes that the foot is numb on the
13 one side and the great toe is cold.
14 But he says the patient doesn't have
15 any pain. And he's walked and he

16
17
18
19
20
21
22
23
24
25

1 understand the patient's version of
2 what he was telling the Doctor at
3 those times?

4 A. It might be. I didn't
5 read it.

6 Q. You would liked to have
7 known that if it was significantly
8 different than Doctor Azar, I
9 assume?

10 A. Patients' interpretations
11 of how they are handled on a clinic
12 visit are often very different than
13 the doctor's interpretation. So I
14 don't know how ---.

15 Q. I can believe that it is.

16 A. I don't know how important
17 that would be.

18 Q. But on simple factual
19 matters as to whether he was having
20 pain, that might be significantly
21 different and perhaps one place or
22 the other, either from the patient
23 or from the Doctor, it's not
24 recorded; correct? It's got to be
25 one or the other, right, if there is

1 a marked difference?

2 A. It's possible.

3 Q. At any rate, here we are
4 back on 10/5. We've had to do a
5 bypass. It failed. We had to do a
6 embolectomy and cut out an inch
7 piece. Now he's got some weak
8 pulses in the hospital. Now he's
9 back to see the doctor and he's got
10 a left foot which is numb and a
11 great toe which is cold and can only
12 do short walking without pain,
13 according to the nurse's note. You
14 see those notes; do you not?

15 A. It says short walk, doing
16 better --- no pain, short walk doing
17 better. I don't know whose note
18 that is.

19 Q. At any rate, what action
20 would you take at that point to
21 properly assess the status of your
22 bypass?

23 A. I personally --- if I
24 could feel pulses or hear pulses and
25 I knew the graft was --- I felt the

1 graft was open, I probably won't do
2 anything further but see him back.

3 Q. Okay. Let's jump up to
4 that. 1/25/94 he comes back. His
5 next note, 1/25/94, the very first
6 thing on the note, no pulse on
7 exam. Now here he's had --- on
8 Coumadin for three months. He comes
9 back in, there's no pulse.

10 A. I don't see where it says
11 that. It says one plus pulses,
12 pedal pulses on 1/25/94.

13 Q. One plus pedal pulses
14 certainly shows a weaker than normal
15 pulse, does it not, Doctor?

16 A. It depends on what **your**
17 scale is. Two plus is normal for us
18 so **one** plus would be --- it would be
19 a pulse there.

20 Q. It's not a normal pulse?

21 A. It's not a normal pulse,
22 no. But it doesn't say that he
23 didn't have any pulse.

24 Q. If Michael Grimm is back
25 in complaining of pain and

1 complaining of problem walking and
2 having claudication and he's talking
3 about pain that really is beginning
4 to be worse than his original pain?

5 A. Uh-huh (yes).

6 Q. Had Mike Grimm had said
7 that to Doctor Azar or to you as the
8 examining physician, what action
9 would you have taken?

10 A. I would have probably done
11 a D'oppler, a duplex, another Doppler
12 on him to see what that looked like.

13 Q. If the Doppler showed some
14 concern or blockage?

15 A. I would have maybe
16 repeated the arteriogram. I would
17 have discussed it with him and see
18 if he wanted to proceed.

19 Q. Can **you** think of any
20 reason in the world had Mike Grimm
21 reported with those symptoms where
22 the doctor would have suggested, we
23 will just wait for a period of time
24 until you can't bear this pain any
25 more and then we will re-operate?

1 Is there any reason a doctor would
2 say that to a patient under these
3 circumstances?

4 A. Unless the patient ---
5 unless it wasn't bothering the
6 patient that much, if he wasn't
7 having rest pain, if he was able to
8 get around, if he didn't want to
9 have another operation.

10 Q. No. Under my scenario I'm
11 suggesting that the patient came in
12 and said he was hurting even more
13 than he was when this all started
14 back in July of '93. Had you heard
15 that under these circumstances,
16 would you have then asked **for** the
17 Doppler and, had it shown something,
18 asked for the arteriogram?

19 A. If the patient was
20 uncomfortable and wanted something
21 done, I would have proceeded.

22 Q. And had it shown the
23 blockage, would you have then gone
24 in for another surgery right then?

25 A. Probably.

1 Q. And if the patient had
2 complained back on October 5th to
3 that extent, would you have
4 considered another procedure way
5 back on October 5th had the Doppler
6 and arteriogram verified what the
7 patient was complaining about?

8 A. I might have, yes.

9 Q. These are actions you
10 would take if you heard this from
11 your patient, got the confirmation
12 from those tests?

13 A. Correct.

14 Q. Of course, the doctor is
15 the expert, right, the patient
16 doesn't know when he needs surgery,
17 when he needs anything. All he says
18 is, Doctor, **I'm** hurting and here's
19 where I'm hurting. Then you have to
20 tell him what needs done?

21 A. Correct.

22 Q. So we get down to the next
23 summer then --- we get down to the
24 next summer and you've now described
25 in August 9th what the arteriogram

1 showed. And now we find his entire
2 arterial system of his left leg
3 deeply compromised?

4 A. Much different than it was
5 a year ago.

6 Q. Much different. And can
7 we assume that he's now had some
8 damage to his lower leg, his other
9 organs or muscle tissue ---

10 A. No, we can't assume that.

11 Q. --- as a result of this?

12 A. We can't assume that at
13 all.

14 Q. Why not?

15 A. Because I have a lot of
16 patients that have 100 percent
17 occlusion of all vessels and the
18 foot looks totally normal.

19 Q. What is ischemia?

20 A. Lack of blood supply.

21 Q. And lack of blood supply
22 ultimately does what to your foot
23 and lower leg?

24 A. Depends on the degree of
25 ischemia. It can be somewhere to no

1 effect at all to losing pair on your
2 foot to having keratinization of
3 your --- of your toenails

4 Q Well, Doctor, we know
5 with a few months after this, this
6 man loses his leg, he has a below
7 the knee amputation?

8 A. Uh-huh (yes).

9 Q Now, you're not sitting
10 here today, are you, and suggesting
11 that the Cleveland Clinic is
12 responsible for this amputation?

13 A I don't know I don't
14 know what they did

15 Q You don't know anything
16 about that And the Cleveland
17 Clinic records weren't supplied for
18 your review?

19 A. They were, but I'm saying
20 is I don't know what they
21 technically came up against I
22 don't know what the witnesses are
23 like.

24 Q. Well, now, Doctor, ---?

25 A You're asking me that

1 prior to this just because he had
2 this progression **of** his arteriogram
3 that his foot would have been in
4 worse shape. There are no notes to
5 suggest that he had any ulcers or
6 gangrene to his feet. That is
7 advanced ischemia. Ischemia is just
8 a word, without ---.

9 Q. You answered my question,
10 Doctor. I'm now asking you, I want
11 to get this real clear, you are not
12 saying to this Jury that the reason
13 this leg had to be removed is the
14 Cleveland Clinic did anything below
15 the standard of care? You're not
16 saying that?

17 A. I am saying that the leg
18 got amputat'ed after they operated on
19 him.

20 Q. I understand.

21 A. I don't know what they
22 did. I don't know ---.

23 Q. Well, you know what they
24 did as well as you know what Azar
25 did. You had their records. You

1 indicate that you read them. They
2 were very extensive, weren't they?
3 There were two full notebooks full

4 ---

5 A. Correct.

6 Q. --- of my records, anyway,
7 of the Cleveland Clinic. At first
8 they did this turn around and
9 eventually came back three months.
10 later and then had to take his leg
11 off. Why do you take a leg off?

12 A. Because there's no blood
13 supply to a lower extremity and the
14 foot is getting cold and mottled and
15 they can't move it and it's painful.

16 Q. And does the record show
17 that's why they had to finally take
18 his leg off?

19 A. I believe it did, yes.

20 Q. Doctor, you met Mr.
21 Ockerman back in 1985?

22 A. '87.

23 Q. And you left the Akron
24 area in what year?

25 A. '89.

1 Q. Or excuse me, the Canton
2 area?

3 A. '89.

4 Q. So you worked with him as
5 your surgical assistant for two
6 years?

7 A. Not my personal. He
8 worked for the hospital.

9 Q. He didn't work for your
10 medical group?

11 A. Uh-uh (no).

12 Q. He worked for the
13 hospital?

14 A. Correct.

15 Q. How many times did he act
16 as your surgical assistant in that
17 two years?

18 A. I have no idea.

19 Q. Was it on a weekly basis?

20 A. There were 12, 15 surgical
21 assistants. They rotated through
22 the rooms.

23 Q. Can you estimate it for
24 us?

25 A. I couldn't even guess.

1 Q. Did he act as your
2 surgical assistant for over 100
3 operations?

4 A. I doubt it.

5 Q. I notice in your
6 deposition you indicate you had a
7 personal relationship with Mr.
8 Ockerman?

9 A. Only the fact that we
10 worked together.

11 Q. Well, did you have a
12 social relationship?

13 A. The only thing, I went to
14 his father's funeral. I don't think
15 we ever went out to dinner together.

16 Q. Didn't play golf?

17 A. Didn't play golf, never
18 went to the movies. I know I went
19 to his dad's wake.

20 Q. You consider him your
21 friend?

22 A. Not Thoreau's definition
23 of a friend. He's an acquaintance.
24 I know him. I like him.

25 Q. You're over here in

1 Pennsylvania and he brings a case
2 for you to review?

3 A. Right.

4 Q. That's unusual for you; is
5 it not?

6 A. Yes, I don't do this.

7 Q. Have you done other
8 reviews for his office other than
9 the ones you've done for him, for
10 other attorneys in his office?

11 A. They have always been
12 together.

13 Q. He's been involved in
14 those?

15 A. Right.

16 Q. So if he brings a case to
17 you or if his office brings a case,
18 he'll always be the one bringing it
19 in to you?

20 A. Right. Usually.

21 Q. And does he call you to
22 discuss medical issues where he
23 needs assistance on beyond reviewing
24 whole case?

25 A. He's called me to ask me

1 questions about different cases from
2 time to time.

3 ATTORNEY MEEKER:

4 That's all I have.

5 Thank you.

6 REDIRECT EXAMINATION

7 BY ATTORNEY OCKERMAN:

8 Q. Doctor, just a couple
9 follow-up questions. When you talk
10 with the patient, do you take what
11 the patient wants to do into account
12 to what you're going to do with the
13 patient?

14 A. Absolutely.

15 Q. And, Doctor, getting back
16 to our relationship, I mean, you
17 didn't review this case or you're
18 not testifying today just because
19 it's Mike Ockerman; are you?

20 A. No.

21 ATTORNEY OCKERMAN:

22 Thank you. No
23 further questions.

24 RECROSS EXAMINATION

25 BY ATTORNEY MEEKER:

1 Q. Do you have any cases from
2 any other attorney from Ohio other
3 than Mr. Ockerman that you're
4 reviewing?

5 A. Not from Ohio, no.

6 Q. Have you ever had one from
7 Ohio other than Mr. Ockerman?

8 A. No.

9 ATTORNEY MEEKER:

10 That's all I have.

11 REDIRECT EXAMINATION

12 BY ATTORNEY OCKERMAN:

13 Q. Doctor, just one other
14 questions. Have you reviewed cases
15 for other attorneys?

16 A. I have.

17 ATTORNEY OCKERMAN:

18 'Thank you. No
19 further questions.

20 VIDEOGRAPHER:

21 There being no
22 further questions at this
23 time, the deposition is
24 now concluded. It's 5:38.

25 * * * * *

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

COMMONWEALTH OF PENNSYLVANIA:

COUNTY OF INDIANA:

C E R T I F I C A T E


I, Tammie B. Elias, R.P.R., Notary Public in and for the Commonwealth of Pennsylvania, do hereby certify:

That the witness was hereby ~~first~~ duly sworn to testify to the truth, the whole truth, and nothing but the truth; that the foregoing deposition was taken at the time and place stated herein; and that the said deposition was taken in Stenotype by me and reduced to typewriting, and constitutes a true and correct record of the testimony given by the witness.

I further certify that the reading and signing of said deposition were ~~(not)~~ waived by counsel for the respective parties and by the witness.

I further certify that I am not a relative, employee or attorney of any of the parties, nor a relative or employee of counsel, and that I am in no way interested directly or indirectly in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and stamp this 31st day of March, 1999.


Tammie B. Elias, R.P.R.,
Notary Public

