

IN THE COURT OF COMMON PLEAS

DOC. 16

CUYAHOGA COUNTY, OHIO

HELEN KUBACH, etc.,

Plaintiff,

JUDGE O'DONNELL

- vs -

CASE NO. 153,602UNIVERSITY HOSPITALS OF  
CLEVELAND, et al.,Defendants.

- - - -

Deposition of ALLEN I. ARIEFF, M.D., taken as  
if upon cross-examination before William L.  
Odom, a Registered Professional Reporter and  
Notary Public within and for the State of Ohio,  
at the offices of Jacobson, Maynard, Tuschman &  
Kalur, 1301 East Ninth Street, Fourteenth Floor,  
Cleveland, Ohio, at 6:25 P.M. on Wednesday,  
October 4, 1989, pursuant to notice and/or  
stipulations of counsel, on behalf of the  
Plaintiff in this cause.

- - - -

MEHLER & HAGESTROM  
Court Reporters  
1750 Midland Building  
Cleveland, Ohio 44115  
216.621.4984  
FAX 621.0050  
800.822.0650

1        APPEARANCES:

2           Charles Kampinski, Esq.  
3           Christopher M. Mellino, Esq.  
4           Law Offices of Charles Kampinski  
5           1530 Standard Building  
6           Cleveland, Ohio 44113  
7           (216) 781-4110,

8           On behalf of the Plaintiff;

9           Francis X. Gardner, Esq.  
10          Reminger & Reminger  
11          Seventh Floor 113 St. Clair Building  
12          Cleveland, Ohio 44114  
13          (216) 687-1311,

14          On behalf of the Defendant  
15          University, et al.;

16          John V. Jackson, II, Esq.  
17          John R. Ludgin, Esq.  
18          Jacobson, Maynard, Tuschman & Kalur  
19          1301 East Ninth Street  
20          Fourteenth Floor  
21          Cleveland, Ohio 44114  
22          (216) 621-5400,

23          On behalf of the Defendant  
24          Kursh, et al.

1           ALLEN I. ARIEFF, M.D., of lawful age,  
2           called by the Plaintiff for the purpose of  
3           cross-examination, as provided by the Rules of  
4           Civil Procedure, being by me first duly sworn,  
5           as hereinafter certified, deposed and said as  
6           follows:

7           CROSS-EXAMINATION OF ALLEN I. ARIEFF, M.D.,

8           BY MR. KAMPINSRI:

9           Q. Doctor, would you state your full name, please.

10          A. Allen I. Arieff.

11          Q. Doctor, I'm going to ask you a number of  
12             questions this evening. If you don't understand  
13             any of them, please tell me, I'll be happy to  
14             rephrase any questions you don't understand, is  
15             that all right?

16          A. Okay.

17          Q. When you respond to my questions, you have to do  
18             so verbally. He's going to take down everything  
19             you say. He can't take down a nod of your head;  
20             okay?

21          A. Okay.

22          Q. Do you have a CV, doctor?

23                   THE WITNESS: I sent you one.

24                   MR. JACKSON: We'll get it to you.

25           We don't have a copy handy right now.

1 A. I'll have one in the mail to you as soon as I  
2 get back to **my** office.

3 MR. JACKSON: We can give him one  
4 from our file.

5 MR. LUDGIN: I looked, **I** couldn't  
6 find one, that's why we don't have one right  
7 now.

8 MR. JACKSON: We'll get you a CV.

9 Q. Why don't you briefly run me through **your**  
10 educational background, doctor.

11 A. Graduated University **of** Illinois, liberal arts,  
12 in 1960; Northwestern University, master of  
13 science, 1964; M.D. from Northwestern, also in  
14 1964. Intern, University of Pennsylvania,  
15 1965. Two years in the Army. Medical resident  
16 at New York State University up to 1969. Fellow  
17 in renal diseases at the University of Colorado  
18 for one year, then three years at UCLA. Faculty  
19 at UCLA for four years, and then University of  
20 California at San Francisco from 1977 till the  
21 present. Currently professor of medicine,  
22 University of San Francisco Medical Center in  
23 San Francisco.

24 Q. As a professor, do you also practice medicine **or**  
25 do you teach or what do you do, doctor?

1 A. I spend about a third of my time doing research,  
2 teaching and patient care, about a third to  
3 each.

4 Q. A third patient care?

5 A. Yes.

6 Q. A third research?

7 A. Yes. And a third teaching.

8 Q. Where is your patient care at?

9 A. At the University of California Teaching  
10 Hospitals.

11 Q. And how long has that been true for, that you've  
12 devoted your time in this fashion?

13 A. Really since about 1975 at least.

14 Q. Your specialty is what, doctor?

15 A. Internal medicine and subspecialty of  
16 nephrology. And I'm board certified in both of  
17 them.

18 Q. You've authored a number of papers that I'm  
19 aware of. Are they all set forth in your CV?

20 A. Yes.

21 Q. Rather than have you list them all now.

22 A. There's about 300 of them. They are all in my  
23 CV.

24 Q. Have you authored any papers on hyperammonemia?

25 A. Yes, one in the New England Journal of Medicine

1 in 1987.

2 Q. Is that the one co-authored with Dr. Ayus?

3 A. No.

4 Q. What was the topic?

5 A. Liver failure. I'm sorry, make that in 1985.

6 That is in error. It was the New England

7 Journal, 1985. Excuse me.

8 Q. And how was that related to hyperammonemia?

9 A. Well, hyperammonemia is a major part of liver  
10 failure.

11 Q. You can have hyperammonemia in the absence of  
12 liver failure, though, can you not?

13 A. You can?

14 Q. Can't you?

15 A. Well, it's possible but very unusual.

16 Q. Did Mr. Kubach in your opinion have liver  
17 failure?

18 A. No, he didn't.


19 Q. All right. Did he have hyperammonemia?

20 A. He had at least one laboratory value that was  
21 elevated, yes.

22 Q. Is that indicative of hyperammonemia?

23 A. Yes.

24 Q. Can you have central nervous system depression  
25 as a result of hyperammonemia?



1 A. No one has ever shown that to be the case. That  
2 has never been shown to be the case.

3 Q. Are there any papers that you're aware of that  
4 indicate that is not the case?

5 A. In all of science, negatives are never proven.  
6 In other words, it is contingent upon someone to  
7 prove a positive. We don't know that you can't  
8 have liver failure because of eating too many  
9 oranges. I doubt if there's ever been a paper  
10 on that, but no one would ever assume that to be  
11 the case, either. So I don't know that there's  
12 any papers that show that hyperammonemia doesn't  
13 cause depression sensorium or coma, but no one  
14 has ever shown that it does.

15 Q. Are you aware of any case studies that indicate  
16 that it does?

17 A. In the absence of liver disease?

18 Q. Yes.

19 A. No, I'm not.

20 Q. Would that change your mind if you became aware  
21 of those?

22 A. I'd have to read it. I can't comment without  
23 reading it.

24 Q. Did you do any search to determine if in fact  
25 there was any evidence that related central

1        nervous system depression to hyperammonemia?

2        A.    Yes.    I did a computer search as recently as  
3        about three weeks ago and I found no such  
4        evidence of anything, in the National Library of  
5        Medicine computer.    Now, it's possible that  
6        something is filed under a different name or a  
7        different key word and was missed by the  
8        computer.    But if there is such a thing, it must  
9        be extraordinarily rare and very poorly  
10       documented since it also doesn't appear in any  
11       standard textbook.

12       Q.    Well, hyperammonemia in and of itself is not a  
13       common occurrence, is it?

14       A.    No, it's not.

15       Q.    Doctor, what do you believe caused Mr. Kubach's  
16       death?

17       A.    Hyponatremic encephalopathy, second hypoxic  
18       encephalopathy, and permanent brain damage and  
19       respiratory failure on that basis.

20       Q.    If he had been prophylactically intubated, would  
21       he have suffered the respiratory arrest?

22       A.    When do you mean prophylactically intubated?

23       Q.    Let's say 6 o'clock on the evening of his  
24       arrest.

25       A.    It wouldn't have made any difference.



1 35 minutes into the resection, the patient  
2 became acutely obtunded and had evidence of  
3 agitation. His pupils were dilated. This was  
4 felt to be evidence of water intoxication and  
5 the resection was immediately stopped."

6 Q. Yes.

7 A. At this point laboratory-wise he had a sodium of  
8 102 very shortly thereafter, actually within a  
9 very few minutes. He also had a blood gas that  
10 showed a bicarbonate of approximately 16  
11 millimoles per liter, where his normal  
12 bicarbonate was 24.

13 At this point or somewhere close to it, he  
14 had respiratory embarrassment from his  
15 hyponatremia with a sodium of approximately 102  
16 which from the literature and from my experience  
17 of over 130 such patients will always lead to  
18 permanent brain damage or death.

19 Q. Do you try to correct the sodium imbalance,  
20 doctor, in your experience when you have a  
21 sodium imbalance as a result of water  
22 intoxication?

23 A. Yes.

24 Q. And how do you do that?

25 A. Well, there are ways that are demarcated. One

*breathing  
PCO<sub>2</sub> will  
go up  
PO<sub>2</sub> will  
go down*

1 of the best I think is in a paper I co-authored  
2 with Dr. Ayus.

3 Q. When?

4 A. In 1987, October.

5 Q. Is there a standard for the level at which you  
6 would correct the sodium?

7 A. I think most people would agree that correcting  
8 it on a rate of anywhere from about one-half to  
9 about three millimoles per liter per hour to get  
10 the sodium somewhere above 120 but less than  
11 about 135 would usually be satisfactory. There  
12 are disagreements in general but that's probably  
13 about average. That covers most disagreements.

14 Q. The paper that you were involved with Dr. Ayus  
15 in is held to be fairly authoritative in terms  
16 of the standard of how to correct it. Would you  
17 not agree?

18 A. I find it hard to disagree with that.

19 Q. Okay. And is it the level, the amount, that  
20 determines the safe rate of increasing it?

21 A. I don't think the rate makes very much  
22 difference.

23 Q. All right. What is determinant as to a safe  
24 increase? Is it an amount of increase over a  
25 period of time?

1 A. Bearing in mind that everybody doesn't accept  
2 this, what Dr. Ayus and I found I believe to be  
3 correct is that correcting a sodium of less than  
4 about 25 millimoles in the initial 48 hours is  
5 probably the best thing to do for the patient.

6 Q. As a matter of fact, I think you concluded in  
7 the paper that if you do it at more than that  
8 rate, it can lead to brain damage; is that  
9 correct?

10 A. That's correct.

11 Q. How much was it increased in Mr. Kubach?

12 A. At what time period?

13 Q. Well, at any time prior to the arrest.

14 A. He had a lot of sodiums done.

15 Q. In the initial 48 hours.

16 A. Don't forget, though, in that paper and in our  
17 subsequent work, actually Dr. Ayus and I are  
18 working on a study now, it turns out that when  
19 someone had a respiratory arrest as I believe  
20 this patient did during the operation, or  
21 respiratory embarrassment, that they are going  
22 to suffer permanent brain damage, whatever is  
23 done. What Dr. Ayus and I put together in the  
24 study in the New England Journal in October of  
25 '87 is the best way to manage it, or I believe

1 to be the best way to manage it.

2 Now, this individual had -- there's a lot  
3 of sodiums here. Just a moment.

4 His sodium was corrected by roughly 30  
5 millimoles in about 14 hours.

6 Q. Which is in excess of what both you and Dr. Ayus  
7 recommended in your article?

8 A. That's correct.

9 Q. Did that cause any damage to Mr. Kubach in your  
10 opinion?

11 A. I believe not.

12 Q. Why not?

13 A. Because I think the damage was suffered  
14 during -- at approximately 10:15 a.m. during the  
15 operation, and that all subsequent things were  
16 largely after the damage was already done.

17 I should also add, by the way, that this  
18 case took place before our article was  
19 published, so I don't think it's really fair to  
2 hold someone to a standard which was not in  
2 existence at that time.

2 Q. When was your article submitted for publication,  
2 doctor?

2 A. Submitted?

1 A. I honestly don't remember that. I do have it in  
2 my files, but I don't remember.

3 Q. 1986, presented in part at the 19th annual  
4 meeting of the American Society of Nephrology.

5 A. It was published, though, in October of '87.  
6 And in the medical profession, abstracts of  
7 presentations are always considered preliminary  
8 because they're not subject to critical review.  
9 Any abstract which is submitted is automatically  
10 published. It's only when the article comes out,  
11 in published form that it's been subjected to  
12 critical review.

13 Q. Doctor, what did you review in this case for  
14 purposes of preparing an opinion?

15 A. The hospital records and depositions.

16 Q. Which depositions?

17 A. Perhaps you can help me out. I don't remember  
18 all of them.

19 MR. JACKSON: Can you remember all  
20 of it, the ones you reviewed? If you can't, you  
21 just tell him that.

22 A. I can't remember all the names.

23 Q. Where are they?

24 A. At home.

25 Q. Did you review the depositions of Dr. Nearman

1 and Dr. Kursh?

2 A. Yes, I did.

3 Q. The deposition of Dr. Jayanthi?

4 A. Yes.

5 Q. Candace Lamb?

6 A. I don't recall reviewing that deposition.

7 Q. Dr. Angell?

8 A. Yes.

9 Q. Dr. Di Ciccio?

10 A. Yes.

11 Q. Dr. Townsend?

12 A. I don't recall reviewing that.

13 Q. Nurse Hemminger?

14 A. No.

15 Q. Have you reviewed Dr. Ayus's deposition?

16 A. Yes.

17 Q. And Dr. Lockrem?

18 A. Yes.

19 Q. Do you believe that Mr. Kubach would have  
20 suffered respiratory arrest if he had been  
21 prophylactically intubated, as opposed to the  
22 brain damage you believe he suffered during the  
23 operation?

24 A. He would have to be not only intubated but also  
25 mechanically ventilated, received ventilatory

1 assistance.

2 Q. Is that because --

3 A. Intubation will not prevent someone from  
4 suffering a respiratory arrest unless their  
5 ventilation is assisted.

6 Q. So that if he had been intubated with a  
7 ventilatory assistance, do you believe he would  
8 have suffered the respiratory arrest?

9 A. When? Intubating him at what point?

10 Q. Anytime prior to the arrest.

11 A. Well, I feel his respiratory compromise occurred  
12 at approximately 10:15 a.m., and if he had been  
13 intubated before 10:15 a.m. with mechanical  
14 ventilation during the operation, I guess he  
15 would not have suffered a respiratory arrest.

16 Q. What were his blood gases like throughout the  
17 day, doctor?

18 A. Well, his admission -- do you want me to read  
19 them to you?

20 MR. JACKSON: You want the day that  
21 he had the respiratory arrest?

22 MR. KAMPINSKI: Yes, after the  
23 operation.

24 MR. JACKSON: You want him to  
25 comment on them in general **or** do you want him to

1 specifically read them? What would you like?

2 MR. KAMPINSKI: Well, he could read  
3 them if he needs to refresh his recollection.

4 MR. JACKSON: Are you suggesting he  
5 should have memorized all the blood gases?

6 MR. KAMPINSKI: I'm not suggesting  
7 anything. If he knew what they were, that would  
8 be fine. If he doesn't, he can look at them.

9 THE WITNESS: There's a  
10 preoperative gas which I was just looking at a  
11 little while ago.

12 Do you know where that is?

13 Okay. His gas after the arrest --

14 Q. I'm sorry, after the operation?

15 A. His gas after the operation showed a PCO2 of 27  
16 and a PO2 of 196, and a bicarbonate of 17.5.

17 Q. What time was that taken, doctor?

18 A. 11:35.

19 Now, that gas is interesting because the  
20 bicarbonate is low and it's right after the,  
21 when I feel he had a respiratory embarrassment  
22 during surgery. That is indicative of lactic  
23 acidosis. He had to have been receiving  
24 assisted ventilation then because his PCO2 is  
25 far lower than one would expect one to be able



1 to do on their own, especially with chronic lung  
2 disease that this man had. And the PO<sub>2</sub> of close  
3 to 200 is far higher than one would be able to  
4 generate even on 40 percent oxygen without  
5 assisted ventilation. So I think his gas  
6 completely supported what I feel happened during  
7 the operation of respiratory embarrassment.

8 Q. And this is --

9 A. We know his normal bicarbonate, by the way,  
10 which was done on 8/27, 24. So he has to have  
11 some chronic problem because his bicarbonate  
12 just before surgery is 24, and furthermore a  
13 chemical bicarbonate done about the same time is  
14 16 which comes across very well with the 17-1/2.

15 Q. I'm sorry, I don't understand. You said he had  
16 chronic lung disease and a moment ago you said  
17 that he didn't have a chronic problem. Did I  
18 misunderstand?

19 A. Didn't have a chronic problem with his  
20 bicarbonate. He didn't have a chronic acidosis.

21 Q. I see.

22 A. Because the bicarbonate is normal. With his  
23 chronic lung disease, he would not be expected  
24 to be able to get his PCO<sub>2</sub> down to 27 on his own  
25 which means that to me he was being assisted

1 with his ventilation with a bag. And the other  
2 thing as I mentioned, his oxygen is close to  
3 200, which you would not expect someone to be  
4 able to generate on his own even with oxygen.  
5 It is not impossible, but it is very unlikely.

6 Q. Well, are you saying that his blood gases were  
7 normal, then, before the operation?

8 A. **No**, they're not normal at all.

9 Q. Did they suggest, then, to whoever was watching  
10 them that he should have been intubated, perhaps  
11 mechanically ventilated?

12 A. No.

13 Q. Well, what do they mean?

14 A. Retrospectively, they suggest that he had  
15 respiratory embarrassment within an hour or two  
16 before they were drawn.

17 Q. And then did they get better?

18 A. They eventually did, certainly. The bicarbonate  
19 eventually came back up to normal again. In  
20 fact, that same day at....

21 Q. The next one is at what time, doctor, 1420?

22 A. 1420, okay. The blood gas which I believe to be  
23 done at 1420 shows a bicarbonate of 22.1, and  
24 the one the next one down shows a bicarbonate of  
25 24.4 which is about what it was before the

1 operation. So he did correct the metabolic  
2 acidosis that he had shortly after coming out off  
3 the operating room.

4 Q Is that important to you in terms of your  
5 opinion, doctor, or doesn't that matter?

6 A Well, it matters only to the extent -- it  
7 doesn't really make that much difference. The  
8 main thing is the difference between the 24  
9 before the operation, the fact that he was back  
10 to 24 again after the operation, and was low, 16  
11 to 17-1/2, at a point after I believe he  
12 suffered respiratory embarrassment.

13 Q. Well, respiratory embarrassment doesn't  
14 necessarily mean you're going to sustain brain  
15 damage, does it?

16 A. In this case it does for reasons which I'll go  
17 into if you'd like.

18 Q. Sure. I would.

19 A. Okay. Respiratory embarrassment per se doesn't  
20 mean you'll sustain brain damage, but at the  
21 time he had a sodium of 102 which was documented  
22 very shortly thereafter. This is very likely to  
23 cause herniation of the brain and respiratory  
24 embarrassment. So it fits with what was likely  
25 to have happened. The other thing that is very

1 interesting is that in this note, he became  
2 acutely obtunded which would happen again from a  
3 lot of things, but would happen if he herniated  
4 his brain which is apt to happen with a sodium  
5 of 102, and his pupils were dilated. When one  
6 herniates, it puts pressure, retraction on the  
7 third nerve and will lead to dilated pupils.  
8 This is very well documented in the neurology  
9 literature. So everything fits that that's what  
10 happened.

11 Q. Cannot other things cause a person to be  
12 obtunded and his pupils dilated?

13 A. None that come to mind offhand. Especially with  
14 sodiums of 102.

15 Q. When the sodium was corrected, that would not of  
16 course change brain damage if it had already  
17 occurred; correct?

18 A. Yes, it does to some extent.

19 Q. Does it?

20 A. Yes.

21 Q. And was his sodium corrected sufficiently in  
22 your opinion?

23 A. Well, it was corrected into a reasonable range,  
24 in the 130s on multiple determinations.

25 Q. But retrospectively it certainly didn't meet

1 with the standard as set forth in your article,  
2 right?

3 MR. JACKSON: Object to that.

4 You can answer.

5 A. Well, it's a standard that went into effect --  
6 was published after the case occurred.

7 Q. I said retrospectively.

8 A. Okay, retrospectively it did not, but again this  
9 was published after this case had occurred.

10 Q. And you found in that article that increasing it  
11 by over 25 in a 48-hour period can also cause  
12 brain damage, didn't you, doctor?

13 A. Yes, we did.

14 Q. But that didn't cause it in this case in your  
15 opinion?

16 A. I believe not.

17 Q. Getting back to an earlier question I think that  
18 got us on this topic, why is it that you believe  
19 that the insult that he suffered during the  
20 operation caused his respiratory arrest later on  
21 that evening?

22 A. It's a very, very well-described syndrome and he  
23 fits it perfectly. It's called --

24 Q. Described by whom?

25 A. It's called delayed post-anoxic encephalopathy.

1 You'll find both a diagram of it and a  
2 description in an article I published on June  
3 12, 1985 in the New England Journal of **F**  
4 Medicine. And basically people who had  
5 hyponatremia, in fact in that article the  
6 sodiums I believe were about 105 -- his was  
7 102 -- suffer respiratory embarrassment, then  
8 have some degree of recovery over hours to even  
9 a day or two, and then go back in a coma, again  
10 have a second respiratory embarrassment, and  
11 then they do not recover. They either suffer  
12 permanent brain damage or die, which is exactly  
13 what happened. He fits almost perfectly with  
14 that syndrome, which is very well described for  
15 things other than hyponatremia and those are all  
16 referenced in my 1986 article. In fact, I  
17 notice you have a copy of it, I'll point out the  
18 figure which shows his course if you'd like.

19 Q. I see it.

20 A. Figure 1 in that article.

21 Q. Did you recommend how it was to be treated in  
22 that article, doctor?

23 A. We were not able to find any way of discerning  
24 who was apt to get this syndrome in that  
25 article.

1 permanent brain damage.

2 Q. Well, was --

3 A. That statement itself only refers to the fact  
4 that just rapid correction as described here  
5 doesn't cause any damage, and that's all it  
6 means.

7 Q. Did the physicians that treated Mr. Kubach  
8 describe him suffering a respiratory arrest  
9 during the operation, sir?

10 A. No, they did not.

11 Q. I mean, were they not observant enough to notice  
12 what you've apparently noticed?

13 A. Well, they describe what is quite consistent  
14 with a respiratory arrest. They may not have  
15 recognized it for what it was. They did notice  
16 something wrong and they terminated the  
17 operation immediately, so they certainly noticed  
18 something and reacted promptly and  
19 appropriately, I think.

20 Q. They didn't call it respiratory arrest.

21 A. No, I think they may not have recognized it for  
22 that. It may not have been arrest, it may have  
23 just been respiratory depression. But the  
24 central thing is that he had enough respiratory  
25 depression to suffer cerebral hypoxia.

1 restriction -- well, low protein diet and a  
2 couple of things that prevent ammonia from being  
3 formed in the colon, including lactulose as one  
4 and also sorbitol sometimes to prevent its being  
5 formed in the colon, its excretion or voiding,  
6 three things, and encouraging ammonia to  
7 accumulate in the brain such as thiazide  
8 diuretics that encourage ammonia to move into  
9 the brain. So there's at least those four  
10 things. Another one is to sterilize the bowel  
11 with broad spectrum nonabsorbable antibiotics  
12 such as neomycin which cuts down ammonia  
13 formation. There's five ways of treating it,  
14 all in patients with liver disease.

15 Q. I take it, then, since you've read Dr. Ayus's  
16 deposition, you disagree with his conclusion as  
17 how it should have been treated in this case  
18 and, that is, by prophylactically intubating Mr.  
19 Kubach?

20 A. Yes, I do.

21 Q. Have you testified before, doctor?

22 A. Yes, I have.

23 Q. How often?

24 A. I have been in trials approximately half a dozen  
25 times over about, say, 11 or 12 years.



1 Q. Have they been for plaintiffs and defendants?

2 A. About half and half.

3 Q. Have you testified in a case involving  
4 hyperammonemia?

5 A. No, I never have.

6 Q. Have you ever been retained in a case involving  
7 hyperammonemia?

8 A. No, I haven't.

9 Q. So this is the first one?

10 A. Yes, it is.

11 Q. Was there evidence of central pontine  
12 myelination in Mr. Kubach?

13 A. I saw no evidence that that had occurred.

14 Q. Is that important in trying to determine whether  
15 or not hyponatremia caused brain damage?

16 A. You are entering an area which is held in, well,  
17 say there's a lot of disagreement in the medical  
18 literature. It is my belief that the two are  
19 utterly unrelated.

20 Q. Have you written on that subject, doctor?

21 A. Extensively, yes.

22 Q. So that if hyponatremia does cause brain damage,  
23 you would not expect to see that, then, or if  
24 you saw it, it would be just a coincidence?

25 MR. JACKSON: Expect to see what?

1 MR. KAMPINSKI: Central pontine --

2 MR. JACKSON: CPM?

3 MR. KAMPINSKI: Yes.

4 A. Well, I can clarify that, central pontine  
5 myelinolysis, which I would like to call CPM,  
6 refers to demyelination of the pons. This was  
7 described over 30 years ago in alcoholic,  
8 malnourished individuals. In someone with  
9 hyponatremia, you may in fact see demyelination  
10 in the pons but usually there is also  
11 demyelination in many other places in the brain,  
12 and information which I have in press now and  
13 studies I'm working on, including autopsies on  
14 over 34 such patients demonstrates rather  
15 conclusively that this is all due to hypoxia  
16 which can also cause demyelination. So that CPM  
17 is an entity which has nothing to do with  
18 hyponatremia, but there may well be  
19 demyelination of the pons.

20 Q. Well, are you saying that the results of the  
21 hyponatremia would cause demyelination of the  
22 pons?

23 A. That's right. They could. Not that they  
24 would. They might. They might.

25 Q. By creating a hypoxic condition in the patient?

1 A. That's right.

2 Q. And hypoxia is what, doctor?

3 A. Not enough oxygen getting to the brain, for this  
4 discussion.

5 Q. And is that what happened to Mr. Kubach?

6 A. That is what I believe happened.

7 Q. Then is there a reason there was no CPM found?

8 A. I just got through saying CPM is not related to  
9 hyponatremia. There shouldn't be CPM.

10 Q. No, I thought you said it was related to hypoxia  
11 when I asked you.

12 A. I said there may be demyelination of the pons  
13 and there may not be.

14 Q. Does it depend on the extent of the hypoxia?

15 A. I don't know what it depends on.

16 Q. So that doesn't affect your conclusion that  
17 there was no evidence of CPM?

18 A. It doesn't mean anything at all one way or the  
19 other.

20 Q. In your '86 article, there was no conclusion  
21 regarding increasing the sodium within any given  
22 period of time; is that correct?

23 MR. JACKSON: Are you talking about  
24 the '86 article that was referred to earlier in  
25 the deposition?

MR. KAMPINSKI: Yes. His article.

A. There was no conclusion on that point, that's correct.

Q. Doctor, do you have an opinion one way or another as to whether or not the physicians and/or hospital in this case, regardless of what you've indicated you believe occurred during the operation, failed to adhere to the standards of care required of them subsequent to the operation? Do you have any opinions on that?

A. You said the physician? You really have to give me a specific instance in order for me to tell you whether I think it's reasonable.

Q. That's fair.

Do you believe that it was appropriate to leave Dr. Jayanthi in charge of the care of Mr. Kubach on the evening that he had his arrest?

MR. JACKSON: I'll object to that because I'm not sure whether you would characterize that as what in fact happened, but can you answer that, doctor?

MR. GARDNER: Show my objection.

THE WITNESS: Should I answer it?

MR. JACKSON: Yes, you may answer it.

1 A. Dr. Jayanthi was an intern at that time. In my  
2 opinion he was not, quotes, in charge of the  
3 care. He was a house officer acting under the  
4 guidance of the hospital and attending  
5 physician. This is the way it's done in  
6 virtually every teaching institution in the  
7 United States, Canada, and the United Kingdom.  
8 So I think what was done with him is, quotes,  
9 common practice at a teaching hospital.

10 Q. That doesn't make it right, though, if he's not  
11 competent.

12 A. I think it's quite appropriate. Because the  
13 understanding is that the attending and the  
14 intern, or resident, or both, will have an  
15 agreement as to what to do if the patient looks  
16 bad.

17 Q. Well, does he need some level of understanding  
18 of the problem to understand, or to know when  
19 the patient looks bad? I mean, you read Dr.  
20 Jayanthi's deposition.

21 A. Yes, I did.

22 Q. And did he have a pretty good working knowledge  
23 of hyperammonemia and hyponatremia?

24 A. No, he didn't.

25 Q. Then why in the world was he caring for this

1 patient that night?

2 MR. JACKSON: Again I'll object to  
3 your characterization.

4 MR. GARDNER: Objection.

5 MR. JACKSON: Go ahead and answer,  
6 doctor.

7 A. Hyperammonemia as I've already stated is not a  
8 clinical problem, has never been shown to be in  
9 patients without severe liver failure. Even in  
10 those it's never been shown to be a problem. So  
11 I don't think that really means anything,  
12 whether he knew how to treat hyperammonemia or  
13 didn't. He was also not a medical intern, he  
14 was on the surgery service. As far as treating  
15 hyponatremia, he didn't know how to treat it  
16 from his deposition, I imagine. However, it is  
17 not necessary. If the patient has it, if he  
18 doesn't know how to do it himself, get help from  
19 someone who does.

20 Q. Did Dr. Angell have a pretty good working  
21 knowledge of hyponatremia?

22 MR. GARDNER: Objection.

23 A. I think you misstated yourself. You meant  
24 hyperammonemia, didn't you? You said  
25 hyponatremia.

1 Q. That's right. I did. After I say it about 80  
2 times, I do get it confused and I apologize.

3 A. Just fair in my opinion. But again I don't  
4 think that's a clinical problem at all.

5 Q. If you're wrong, doctor, then it certainly would  
6 have been important for some -- well, let me  
7 back up. I mean, you've read the depositions of  
8 Dr. Nearman, of Dr. Kursh, of Dr. Lockrem, of  
9 Dr. Ayus, they all believe, every one of them,  
10 that hyperammonemia does cause central nervous  
11 system depression. I mean, you read that in  
12 those depositions, didn't you, doctor?

13 A. No, I didn't.

14 Q. You didn't?

15 A. I read that Dr. Ayus did. You'll have to really  
16 show me a specific part,

17 Q. Sure.

18 A. That's not the impression I gained.

19 Q. Have you read Dr. Lockrem's deposition, doctor?

20 A. Yes.

21 Q. Page 11.

22 MR. JACKSON: I don't have it here.

23 MR. KAMPINSKI: Again I'll let him  
24 look along.

25 MR. JACKSON: Okay.

1 A. Dr. Jayanthi was an intern at that time. In my  
2 opinion he was not, quotes, in charge of the  
3 care. He was a house officer acting under the  
4 guidance of the hospital and attending  
5 physician. This is the way it's done in  
6 virtually every teaching institution in the  
7 United States, Canada, and the United Kingdom.  
8 So I think what was done with him is, quotes,  
9 common practice at a teaching hospital.

10 Q. That doesn't make it right, though, if he's not  
11 competent.

12 A. I think it's quite appropriate. Because the  
13 understanding is that the attending and the  
14 intern, or resident, or both, will have an  
15 agreement as to what to do if the patient looks  
16 bad.

17 Q. Well, does he need some level of understanding  
18 of the problem to understand, or to know when  
19 the patient looks bad? I mean, you read Dr.  
20 Jayanthi's deposition.

21 A. Yes, I did.

22 Q. And did he have a pretty good working knowledge  
23 of hyperammonemia and hyponatremia?

24 A. No, he didn't.

25 Q. Then why in the world was he caring for this



1 patient that night?

2 MR. JACKSON: Again I'll object to  
3 your characterization.

4 MR. GARDNER: Objection.

5 MR. JACKSON: Go ahead and answer,  
6 doctor.

7 A. Hyperammonemia as I've already stated is not a  
8 clinical problem, has never been shown to be in  
9 patients without severe liver failure. Even in  
10 those it's never been shown to be a problem. So  
11 I don't think that really means anything,  
12 whether he knew how to treat hyperammonemia or  
13 didn't. He was also not a medical intern, he  
14 was on the surgery service. As far as treating  
15 hyponatremia, he didn't know how to treat it  
16 from his deposition, I imagine. However, it is  
17 not necessary. If the patient has it, if he  
18 doesn't know how to do it himself, get help from  
19 someone who does.

20 Q. Did Dr. Angell have a pretty good working  
21 knowledge of hyponatremia?

22 MR. GARDNER: Objection.

23 A. I think you misstated yourself. You meant  
24 hyperammonemia, didn't you? You said  
25 hyponatremia.

1 Q. That's right. I did. After I say it about 80  
2 times, I do get it confused and I apologize.

3 A. Just fair in my opinion. But again I don't  
4 think that's a clinical problem at all.

5 Q. If you're wrong, doctor, then it certainly would  
6 have been important for some -- well, let me  
7 back up. I mean, you've read the depositions of  
8 Dr. Nearman, of Dr. Kursh, of Dr. Lockrem, of  
9 Dr. Ayus, they all believe, every one of them,  
10 that hyperammonemia does cause central nervous  
11 system depression. I mean, you read that in  
12 those depositions, didn't you, doctor?

13 A. No, I didn't.

14 Q. You didn't?

15 A. I read that Dr. Ayus did. You'll have to really  
16 show me a specific part.

17 Q. Sure.

18 A. That's not the impression I gained.

19 Q. Have you read Dr. Lockrem's deposition, doctor?

20 A. Yes.

21 Q. Page 11.

22 MR. JACKSON: I don't have it here.

23 MR. KAMPINSKI: Again I'll let him  
24 look along.

25 MR. JACKSON: Okay.

1 Q. Page 10, actually. "Can hyperammonemia cause  
2 central nervous system depression?"

3 Okay?

4 A. I said possibly, a lot of people believe so in  
5 the presence of liver disease. This question  
6 doesn't qualify it at all.

7 Yes, it can, possibly in the presence of  
8 liver disease. That's a non sequitur.

9 Q. Well, he didn't qualify it. And we were talking  
10 about this patient who didn't have liver  
11 disease, doctor.

12 MR. JACKSON: You don't comment on  
13 this patient in that question.

14 Q. Well, did you read the note of Dr. Kursh on  
15 September 16th in the record?

16 A. Yes. I'll have to refer to it again.

17 Q. Sure. Go ahead.

18 MR. JACKSON: You're talking about  
19 which note? Physician's orders?

20 MR. KAMPINSKI: No, his progress  
21 note.

22 MR. JACKSON: Progress note?

23 MR. KAMPINSKI: Yes.

24 A. Well, I agree with at least 85 percent of his  
25 note. He states that the neurologic status is a

1 result of the respiratory and maybe cardiac  
2 arrest and resultant hypoxia. That's exactly  
3 the way I feel. Then he says this was a  
4 consequence of the water intoxication, which is  
5 correct. Then he says the hyperammonemia, which  
6 I don't agree with.

7 Anyway, I should state from the onset I'm  
8 not really concerned with what anybody else  
9 says. I'm really concerned with only clinical  
10 or experimental data which in my opinion none  
11 exists. Opinions don't really mean anything to  
12 me at all.

13 Q So that your opinion is the only one that would  
14 matter, then?

15 MR. JACKSON: Don't answer that  
16 question, doctor. I don't think he means that  
17 as a serious question. That's not what the  
18 doctor said.

19 MR. KAMPINSKI: No, he just said  
20 opinions don't mean anything to him.

21 MR. JACKSON: No, that's a  
22 mischaracterization of what he said. He told  
23 you that he bases it upon data. Not opinions.

24 Q Don't you think that Dr. Kursh based it on data,  
25 too, or did he just make it up?

1 A. Well, if there is data, the National Library of  
2 Medicine computer couldn't spew it up. In my  
3 opinion, none exists, that's all. I have not  
4 seen any data which suggests that to be the case  
5 at all.

6 Q. My question earlier was, did you see in the  
7 depositions of these various doctors that they  
8 believe that hyperammonemia does in fact cause  
9 central nervous system depression? Apparently  
10 you've missed that; right?

11 MR. JACKSON: I'll object. Go  
12 ahead, doctor, and answer.

13 A. From what you've shown me that is not my  
14 opinion. It's my opinion he was referring or  
15 thinking of patients with liver disease. And  
16 there's at least some evidence that may be the  
17 case.

18 Q. What does hyperammonemia do to someone who  
19 doesn't have liver disease?

20 A. In my opinion and after a very thorough review  
21 of the literature on this, there is no evidence  
22 it does anything at all.

23 Q. So it doesn't matter what the level is, then,  
24 right?

25 A. I'm sure there must be a level it would do

1 something bad, but if that's the case, it hasn't  
2 been determined. Simply there is no data  
3 suggesting it does anything. That doesn't mean  
4 that it absolutely doesn't but simply there is  
5 no evidence that it does or does not.

6 Q. Well, why even check what the level is if it  
7 doesn't matter?

8 A. I see no reason to check in someone who doesn't  
9 have severe liver disease.

10 Q. Why was it checked in Mr. Kubach?

11 A. Somebody did it. I'm not totally sure why.

12 Q. Well, once you get an abnormal reading, and it  
13 was abnormal, was it not, doctor, **of** 343?

14 A. Yes.

15 Q. It was elevated?

16 A. Yes, it was.

17 Q. Don't you then have a duty to repeat it **to** see  
18 what it is again? **To** see where the ammonia is  
19 going? I mean, even with what you say at some  
20 level it may be harmful and, you know, perhaps  
21 we don't know what that is, but do you know what  
22 the level was, let's say at 6 o'clock that  
23 night, the ammonia level **of** Mr. Kubach?

24 A. It was in the normal range --

25 Q. It was?

1 A. -- I don't remember the number.

2 Q. And what leads you to conclude that, doctor?

3 A. I read the lab value.

4 Q. Which lab value?

5 MR. JACKSON: I think he's  
6 confusing the question, doctor.

7 MR. KAMPINSKI: I certainly didn't  
8 mean to.

9 MR. JACKSON: He wanted to know if  
10 there was a specific ammonia level that was in  
11 the chart as of 6 o'clock the evening that he  
12 had the arrest, after the surgery. That's what  
13 he's asking. There is no lab value. We know  
14 that.

15 MR. KAMPINSKI: Well, apparently  
16 the doctor doesn't, because he read some value,  
17 and I don't know what he's referring to.

18 THE WITNESS: The only values I was  
19 able to come up with was an initial level was 93  
20 microgram per deciliter with a normal range of  
21 80 to 110 and two hours postop it was 343  
22 microgram per deciliter, same normal range.  
23 That's all that I was able to come up with.

24 Q. Well, all right. My question, and I'll ask it  
25 again, is, what was it prior to his arrest but

1 after the 343 reading? I mean, do you know?

2 A. **No**, I don't.

3 Q. would you expect that it had gone up?

4 A. I will again say I don't think it makes the  
5 slightest bit **of** difference.

6 Q. That's not my question, doctor.

7 A. And would I expect it to go up?

8 Q. Yes, sir.

9 A. It all depends whether it had reached its peak.  
10 The ammonia comes from the metabolism of glycine  
11 which is absorbed through veins in the bladder,  
12 it will go up to a point, and then start down.  
13 I can't say when that point may have been  
14 reached. There's no evidence to allow me to do  
15 that.

16 Q. If you bring the sodium level to an acceptable  
17 level, which was done at what time with Mr.  
18 Kubach approximately?

19 A. It was certainly at an acceptable level at....

20 I would say it was at a reasonably  
21 acceptable level when it was 125. And the time  
22 there was 1835, it was 125.

23 Q. I'm sorry, at what time, doctor?

24 A. Certainly when it was at 125 at 1835, that was a  
25 reasonable level.



1 Q. So that would be 6:30?

2 A. Yes.

3 Q. Did his condition improve after his sodium  
4 reached an acceptable level?

5 A. Not noticeably, no.

6 Q. Did it deteriorate?

7 A. Not at that point.

8 Q. Did it subsequently deteriorate prior to the  
9 arrest?

10 A. Yes.

11 Q. Should something have been done when it did  
12 deteriorate in your opinion?

13 MR. JACKSON: At what point?

14 MR. KAMPINSKI: At any point prior  
15 to the arrest.

16 A. From what I believe happened, I'm not sure what  
17 anybody could have done. I think it would have  
18 been nice, but I don't know what to do.

19 Q. Doctor, I understand your opinion, I mean, I  
20 really do. I understand that you're saying that  
21 whatever was done didn't proximately cause this  
22 man's death. Is that a fair statement?

23 A. Yes.

24 Q. Okay.

25 A. I think --

1 Q. Now, I don't agree with you and obviously Dr.  
2 Ayus doesn't agree with you, and it's going to  
3 be up to a jury to decide that, but the question  
4 I have is whether or not what they did was  
5 appropriate. You know, maybe we can clear out  
6 some issues and deal with the ones you think are  
7 important. I mean, you know, if they were  
8 negligent, maybe the attorneys will admit that  
9 and we can deal with proximate cause.

10 A. I wouldn't have known what else to do. In other  
11 words, his sodium was brought up to an  
12 acceptable level, it was not raised to  
13 hypernatremic levels, and by standards in  
14 existence at that time, I think it was quite  
15 satisfactory. Everybody wouldn't agree with it,  
16 but taking the literature as a whole, it's quite  
17 satisfactory.

18 Q. The treatment?

19 A. Yes, the treatment of hyponatremia.

20 Q. What about the treatment of his clinical  
21 condition: Was that satisfactory? Should  
22 repeat blood gases have been done, for example?

23 A. Well, the main thing that can cause problems  
24 would be a low oxygen, and there are no  
25 documentations of a low oxygen.

1 Kubach?

2 A. I think that would have been a reasonable thing  
3 to do.

4 Q. And just assume for the sake of argument that  
5 they would have shown a further, or a diminished  
6 oxygen supply to Mr. Kubach: Do you think that  
7 intubating him with ventilatory assistance would  
8 have made a difference?

9 MR. JACKSON: I'll object. Go  
10 ahead and answer.

11 MR. GARDNER: Same objection.

12 A. Well, I will answer that as a purely  
13 hypothetical situation.

14 Q. Sure.

15 A. You're telling me if they had done a gas and if  
16 his oxygen had been inadequate and if they had  
17 intubated him and mechanically ventilated him,  
18 could that have made a difference? It certainly  
19 could have, if all those things took place in  
20 this hypothetical situation.

21 Q. Well, we've agreed that the blood gases should  
22 have been done.

23 A. I think it would have been a reasonable thing to  
24 do.

25 Q. All right. At some point before his arrest, do

1       you believe that the blood gases would have been  
2       abnormal?

3       A.   I have to in all honesty say I don't know, and  
4       I'll explain that. Because in the patients I  
5       describe in that June 12, 1986 New England  
6       Journal article, blood gases weren't done prior  
7       to their second arrest, so I don't truly know if  
8       it would have been abnormal or not. However, I  
9       know of no way to prevent it from happening. So  
10      even if --

11     Q.   Prevent what from happening?

12     A.   The second arrest. In other words, I think that  
13       his fate was sealed in the OR, and I don't think  
14       there's anything one could have done about it.  
15       We have -- I've since studied over a dozen  
16       additional patients with this same syndrome and  
17       I have yet to figure out what to do to prevent  
18       it from happening.

19               Now, as to whether the blood gas would have  
20       been abnormal, I truly don't know. I just don't  
21       know.

22     Q.   Do you believe that the, or do you have any  
23       opinion as to whether or not the nursing care,  
24       or maybe I should say the lack of care provided  
25       by Nurse Lamb was adequate on the evening prior

1 to Mr. Kubach's respiratory arrest?

2 MR. JACKSON: I'm going to object.

3 MR. GARDNER: Objection.

4 MR. JACKSON: The doctor is not  
5 here to state opinions of nursing care, he was  
6 not asked to do that, and he's not going to  
7 state any such opinions at trial. That's what  
8 you're here to explore. That's not appropriate.

9 MR. KAMPINSKI: I think the rules  
10 provide that I can ask any question that's  
11 relevant in the case. I mean, that's what the  
12 rules provide.

13 MR. JACKSON: I think if you read  
14 the rule on deposing an expert, it indicates  
15 that you're entitled to explore the opinions  
16 that he will state at trial. He is not retained  
17 to state opinions on behalf of, or as it relates  
18 to the nurses.

19 MR. GARDNER: And I will have a  
20 continuing objection to any question along this  
21 line and especially an objection to the  
22 characterization of the nursing care as lack of  
23 care.

24 MR. KAMPINSKI: I'm not going to  
25 argue with him.

1 MR. JACKSON: Okay.

2 MR. KAMPINSKI: You're not going to  
3 let him answer these questions?

4 MR. JACKSON: I don't think it's an  
5 appropriate question. He wasn't retained for  
6 that, he didn't review the records for that.

7 MR. KAMPINSKI: I honestly don't  
8 care whether you think it's appropriate or not.  
9 All I care about is whether you're going to let  
10 him answer.

11 MR. JACKSON: I'm not going to let  
12 him answer.

13 MR. KAMPINSKI: Okay.

14 MR. JACKSON: Because I don't think  
15 it's an appropriate question. If he's going to  
16 state such an opinion, we will let you know well  
17 in advance of trial --

18 MR. KAMPINSKI: I told you I'm not  
19 going to argue with you, Mr. Jackson.

20 MR. JACKSON: -- and make him  
21 available for you.

22 MR. KAMPINSKI: Mr. Jackson, as  
23 long as you just tell me that he's not going to  
24 answer the question, I can move on. That's  
25 simple. Is he or isn't he?

1 MR. JACKSON: Move on. Next  
2 question, please.

3 Q. Do you have any opinion as to whether or not the  
4 care provided by Dr. Jayanthi on the evening of  
5 Mr. Kubach's arrest prior to the arrest was  
6 appropriate?

7 MR. GARDNER: Objection.

8 MR. JACKSON: Same objection, for  
9 the same reasons. He reviewed this and was  
10 retained to render opinions regarding Dr. Kurs<sub>h</sub>  
11 and Nearman.

12 MR. KAMPINSKI: It's one thing to  
13 object, it's another thing to tell him not to  
14 answer. So I'm waiting to see if you're going  
15 to let him answer the question.

16 MR. JACKSON: If you're here to  
17 explore the opinions he's going to state at  
18 trial, you're entitled to ask him those  
19 questions. He's not going to state an opinion  
20 regarding Dr. Jayanthi at the trial of this  
21 case. We tell you that so it will save you the  
22 time of having to explore that.

23 MR. KAMPINSKI: Mr. Jackson.

24 MR. JACKSON: Let's go to the next  
25 question.

1 MR. KAMPINSKI: No, please. Are  
2 you instructing him not to answer? It's a  
3 simple question.

4 MR. JACKSON: The doctor will  
5 answer appropriate questions. That's not  
6 appropriate. You can go on to the next  
7 question.

8 MR. KAMPINSKI: Are you instructing  
9 him not to answer, sir?

10 MR. JACKSON: I'm telling you to go  
11 on to the next question.

12 MR. KAMPINSKI: Read that question  
13 back until I either hear you're not going to let  
14 him answer it or he answers it. That's simple.  
15 I mean, I'm not playing games with you, just  
16 tell me if you're telling him not to answer.

17 MR. JACKSON: That's exactly what  
18 we're playing. He's not going to state an  
19 opinion as it relates to Dr. Jayanthi. He  
20 didn't review this matter with that in mind.

21 MR. KAMPINSKI: That has nothing to  
22 do with what I can ask him. You and I can  
23 disagree. If you tell him not to answer it,  
24 I'll move on. That's simple.

25 MR. JACKSON: Move on.



1 MR. KAMPINSKI: You're telling him  
2 not to answer?

3 MR. JACKSON: Move on.

4 MR. KAMPINSKI: Okay. I'll accept  
5 that.

6 Q. In your report, doctor, you state the  
7 following: The treatment of the hyponatremia  
8 was certainly appropriate by standards present  
9 in August of 1987.

10 Is that your opinion, doctor?

11 A. Yes.

12 Q. All right. Did Dr. Jayanthi appropriately treat  
13 hyponatremia in your opinion?

14 MR. GARDNER: Objection.

15 MR. JACKSON: Objection, Same  
16 question as before, doctor. If you have an  
17 opinion, go ahead and answer it.

18 A. I don't have an opinion.

19 Q. Doctor, there is an ammonia level set forth in  
20 the SICU note of 94. Did you notice that?

21 MR. JACKSON: Let me show you what  
22 he's referring to, doctor.

23 A. Okay.

24 Q. Do you know where that came from?

25 A. Do I know where it came from?

1 Q. Yes.

2 A. I don't know what you mean by where did it come  
3 from.

4 Q. Well, is there anything that would indicate?

5 A. I presume that someone drew blood and measured  
6 ammonia. I don't know what you mean by where it  
7 came from.

8 Q. Well, does it correlate to any of the lab  
9 values?

10 MR. JACKSON: It does not. And  
11 that's been an established fact I think in the  
12 case.

13 MR. KAMPINSKI: Mr. Jackson.

14 A. It doesn't have units. Whether we know it's  
15 millimoles per liter, micromoles per liter,  
16 micrograms per deciliter, several sets of units  
17 are used to measure ammonia. This has no  
18 units.

19 Q. Well, does it correlate to any unit that was  
20 drawn by the lab in this case?

21 A. Yeah, there was an initial level of 93 microgram  
22 per deciliter and that's pretty close to 94.

23 Q. Why would you write 94 if it's 93? At what time  
24 was that, by the way?

25 A. 2200 hours.

1 Q. That's 10 o'clock?

2 A. Yes.

3 Q. And the 93 was done at 10 a.m.? This is 10 p.m.

4 MR. JACKSON: Doctor, so that we  
5 don't play games, it's already been an  
6 established fact there's no lab sheet for the  
7 94. He knows that. He's been through it in  
8 other depositions.

9 A. I can only read it. I don't know where it came  
10 from. You're asking me and I simply don't know.

11 Q. Well, I mean, if you're a physician, for  
12 example, and you take a look at this ammonia  
13 level, if you're walking by the patient and you  
14 look at the chart, would that somewhat satisfy  
15 you that the ammonia level had in fact gone  
16 down?

17 A. Well, it is within the normal range if you  
18 assume that it's micrograms per deciliter.

19 Q. You know, all I can do is read what's there,  
20 too, doctor. It says 94; right?

21 A. Well, the normal range is 80 to 110.

22 Q. All right. So would that then as a physician  
23 looking at this chart tell you that the man's  
24 ammonia level was fine at 10 o'clock that night?

25 A. Well, again I don't have units there, but -- it

1           might, if the units were the same.

2       Q.   Let's assume they are.. I mean, I don't know why  
3           they wouldn't be.

4       A.   Because different labs measure things with  
5           different units.

6       Q.   This is the same lab, doctor.

7       A.   I have to make that proviso because it may not  
8           be the same units. Assuming they're the same  
9           units, that means that the level is normal.  
10          That's all I can really say.

11      Q.   Doctor, could you tell me why in your report  
12          which was July 17th, 1989, that you referred to  
13          the respiratory arrest occurring at 2300 hours  
14          followed by cardiac arrest, and then you relate  
15          the anoxia secondary to the respiratory arrest?  
16          And there's only one referred to in your report  
17          and that's the one that occurred at 2300 hours.

18      A.   I hadn't gone through the records in the detail  
19          that I have subsequently. The honest answer is  
20          I've now gone through them in much greater  
21          detail.

22      Q.   Well, doctor, you set forth the fact that he  
23          left the operating room with, developed twitchy  
24          movements with mental status deterioration and  
25          you give the laboratory levels, do you not,

1 doctor?

2 A. Yes.

3 Q. So you had that information then, didn't you,  
4 doctor?

5 A. I've already answered the question. I have gone  
6 through the records in much more detail since  
7 then. And that's the only answer I can give  
8 you.

9 I do mention, by the way, that after 35  
10 minutes of procedure, the patient developed  
11 twitchy movements and mental status  
12 deterioration.

13 Q. Sure.

14 A. And in going through it in more detail,  
15 correlating it with the blood gases and  
16 everything else, it seems quite clear that was  
17 when he had respiratory embarrassment.

18 Q. Just hypothetically, doctor, if there were case  
19 studies or reports establishing central nervous  
20 system depression in the case of hyperammonemia  
21 without liver disease, would that change your  
22 opinion at all?

23 MR. JACKSON: Objection. Go ahead  
24 and answer that.

25 A. I couldn't possibly answer that question without

1 actually seeing the data, who did what. There  
2 are all levels of research, some of which is  
3 good and some which are not. I would have to  
4 read it. I would like to add this, though:  
5 There are certainly hundreds of thousands of  
6 prostate operations done every year where the  
7 patients receive glycine and distilled water in  
8 the bladder. Presumably in every one of those,  
9 the ammonia level goes up to elevated levels,  
10 and I'm not aware of any report of any patient  
11 ever suffering from that. So you have there a  
12 base of millions of patients with hyperammonemia  
13 with no ill consequences. It seems to me, I  
14 can't see any reasonable degree of likelihood  
15 that such a thing could ever happen, but  
16 anything is theoretically possible.

17 Q. It may have happened here, theoretically?

18 A. I don't believe it's -- I don't believe it's  
19 possible. I would say it's at the 99 percent  
20 level. It's only a theoretical consideration.

21 Q. Getting back to my question, though, since we're  
22 dealing with theoretical considerations, if  
23 there was such documentation, would it change  
24 your opinion, doctor?

25 MR. JACKSON: I believe he answered

1 your question.

2 A. I think I have. I'd have to read it. What one  
3 person might consider documentation, others may  
4 not. I would have to read it.

5 Q. In other words, it would have to be  
6 documentation from, what, a well-recognized  
7 establishment, printed in a well-recognized peer  
8 review journal?

9 A. It would have to be printed in a well-recognized  
10 peer review journal. The recognition of the  
11 establishment has nothing to do with it at all.  
12 It depends on how good the experiments were and  
13 how well they demonstrated what they were  
14 supposed to show, no matter who did them.

15 Q. Do you have any opinion, doctor, as to whether  
16 either Dr. Nearman or Dr. Kursh failed to adhere  
17 to the appropriate standard of care required of  
18 them?

19 A. Yes, I do.

20 Q. And your opinion is what, doctor?

21 A. I don't see any deviation from the standard of  
22 care in their performance.

23 Q. Who's ultimately responsible for a failure of a  
24 resident to properly perform? Is that the  
25 attending?

1 MR. JACKSON: I'm going to object.

2 MR. GARDNER: Objection.

3 MR. JACKSON: I'm going to ask you  
4 to give him specific circumstances.

5 Q. Well, you and I both agreed earlier that  
6 additional blood gases should have been done  
7 after 6:30, and they weren't.

8 MR. GARDNER: Objection as to what  
9 the testimony was.

10 MR. JACKSON: That's not been  
11 established that that's the reasonable thing to  
12 do. That was his answer.

13 MR. KAMPINSKI: He said what he  
14 said and I think I have a pretty good  
15 recollection of what he said, and I think the  
16 doctor does, too.

17 Q. Whose responsibility was it to have additional  
18 blood gases done, doctor?

19 A. Well, it is ultimately the attending's  
20 responsibility in my opinion.

21 Q. If Mr. Kubach should have been hypothetically  
22 intubated prior to his respiratory arrest, whose  
23 responsibility would it have been to have seen  
24 that that was done?

25 MR. JACKSON: Object.



1 MR. GARDNER: Objection.

2 Q. Hypothetically.

3 MR. JACKSON: No, no, we're not  
4 going --

5 Q. Well, it's not hypothetically. I mean, assuming  
6 it's Dr. Ayus's belief and not yours. Whose  
7 responsibility is that?

8 MR. JACKSON: Objection to that.  
9 He doesn't believe it was necessary or  
10 appropriate.

11 MR. KAMPINSKI: Well, all  
12 hypotheticals require the underlying facts to be  
13 found true, and, you know, that's a caveat that  
14 I'm agreeable to with the doctor.

15 MR. JACKSON: You're asking him to  
16 base an opinion on facts which he does not  
17 believe to be true. And he's stated that.

18 MR. KAMPINSKI: That's always true  
19 in a hypothetical, Mr. Jackson.

20 MR. JACKSON: That's not always  
21 true. There's supposed to be proof of the  
22 underlying facts in a hypothetical before you  
23 can ask someone to state an opinion.

24 MR. KAMPINSKI: Well, he can't rely  
25 on proven facts. Those will come from records,

1 from documents and the jury will decide on what  
2 those facts were.

3 MR. JACKSON: The underlying fact  
4 was and then your question upon which it was  
5 premised was if he should have been  
6 prophylactically intubated.

7 MR. KAMPINSKI: That's right.

8 MR. JACKSON: And this doctor has  
9 told you that he does not believe that that was  
10 a necessary thing.

11 MR. KAMPINSKI: I heard him.

12 MR. JACKSON: So you're asking him  
13 to state a fact on something that he doesn't  
14 believe.

15 MR. KAMPINSKI: No, I'm asking  
16 whose responsibility it would have been to do  
17 that if in fact it should have been done.  
18 That's all I'm asking.

19 MR. JACKSON: Go ahead, doctor.  
20 You can answer.

21 A. Okay. You're asking -- let me restate the  
22 question.

23 Q. All right.

24 A. Strictly you're presenting me with a  
25 hypothetical situation where a hypothetical

1 patient required intubation for some medical  
2 reason and it wasn't done, whose responsibility  
3 was it that it wasn't done? Is that the  
4 situation? Is that correct, is that what you're  
5 asking me?

6 Q. That's fine.

7 A. Okay. In that hypothetical situation, it  
8 depends on what the arrangement was between the  
9 house officers and the attending. Typically it  
10 is the attending's fault unless -- the "unless"  
11 is unless the resident deviated from the  
12 standard set forth to him by the attending, and  
13 I'll give an example. That the attending says,  
14 "Call me if the patient starts breathing at 30  
15 minutes and looks as if he's having respiratory  
16 difficulties." The patient starts breathing at  
17 30 minutes and has respiratory difficulties, and  
18 the resident sees it and doesn't call the  
19 attending. Then it's the resident's fault  
20 because he has deviated from that. The  
21 agreement is that the attending sets forth how  
22 the patient is to be treated and the resident is  
23 carrying out his orders on that.

24 Q. I see what you're saying.

25 What was the condition of Mr. Kubach's

1 breathing at, let's say 9 o'clock that night?

2 A. The respiratory rate is quite reasonable for a  
3 postoperative patient. I don't really see a  
4 specific comment on his breathing one way or the  
5 other in the nurses notes.

6 Q. Is there a comment about anything one way or the  
7 other at 2100?

8 MR. JACKSON: You mean in the  
9 nurses notes?

10 MR. KAMPINSKI: Yes.

11 MR. JACKSON: As opposed to these  
12 other numbers?

13 MR. KAMPINSKI: Yes.

14 A. There is no comment at all at 2100.

15 Q. How about before that? How about at 2100, how  
16 was his breathing?

17 A. That's what you just said.

18 Q. I'm sorry. At 2000.

19 MR. JACKSON: You want to know if  
20 there's a specific comment or you want him to  
21 read the numbers?

22 Q. No, is there any comment about how he was  
23 breathing?

24 A. No.

25 Q. How about at 1900: How was he breathing then?

1 A. There was a comment at 1910.

2 Q. Okay. How was he doing?

3 A. It doesn't say anything about his breathing.

4 It's just a comment.

5 Q. Could I see yours for a second, doctor?

6 A. This is theirs.

7 Q. Doctor, this note down here, we know that that  
8 was written after the arrest but attempts to  
9 relate the events that occurred before the  
10 arrest, and you're aware of that from reading  
11 Nurse Lamb's deposition; correct?

12 A. Yes, that's correct.

13 Q. And retrospectively, she tries to indicate what  
14 his condition was at various times during the  
15 night. How was his breathing at 9:35? Is that  
16 the right time?

17 MR. JACKSON: Is there a specific  
18 reference you want him --

19 MR. KAMPINSKI: Yes, sonorous,  
20 Kussmaul.

21 Q. Is that good?

22 A. That indicates some degree of respiratory  
23 embarrassment.

24 Q. And there is questionable seizure activity, I  
25 think, reflected -- that was in an earlier note,

1 Q. So just sitting there and watching him is okay,  
2 then?

3 MR. JACKSON: Objection, but go  
4 ahead and answer, doctor.

5 A. I have to repeat what I just said. It would be  
6 nice to do something, but I wouldn't really know  
7 what that would be. In other words, I think  
8 that at this point, he had suffered irreparable  
9 brain damage and was going to have a second  
10 respiratory arrest which he very shortly did,  
11 but I think there was absolutely nothing that  
12 could have been done. That's what I mean when I  
13 said it would have been nice to do something.

14 Q. Well, they should have probably just taken him  
15 right out of the OR into the meat wagon then,  
16 huh?

17 MR. JACKSON: Doctor --

18 MR. GARDNER: Object.

19 MR. JACKSON: You don't have to  
20 answer a question like that. He knows better  
21 than to do that.

22 Q. You indicated earlier, doctor, that you had  
23 been, or that you had testified, I think you  
24 said, half a dozen times, or a dozen times? I  
25 don't remember what you said.

1 A. About that. About half a dozen times.

2 Q. All right. How many times have you been  
3 retained to give opinions whether you've  
4 testified or not? Just roughly.

5 A. Roughly about half a dozen a year for about the  
6 last 11 years, see. So 60 to 70 cases.

7 Q. I'm sorry. Half a dozen?

8 A. Half a dozen a year for the last 10 or 11  
9 years. So 60 or 70 cases total.

10 Q. And what would you consider the percentage to be  
11 plaintiffs and defendants?

12 A. Very close to 50-50.

13 Q. Have you ever been retained by PIE before?

14 A. No, I have not.

15 Q. Or by Mr. Jackson, or anybody in his firm?

16 A. No, I have not.

17 Q. Doctor, after his -- after Mr. Kubach's sodium  
18 level had reached, I think you said, 125 was  
19 okay at about, was that 7 o'clock?

20 MR. JACKSON: It appears at 18  
21 something.

22 A. About 6:30.

23 Q. 6:30. Okay.

24 Why did he continue to deteriorate after  
25 that if his sodium had been --

1 MR. JACKSON: I'm going to object.  
2 You're saying he was in the process of  
3 deterioration, had been deteriorating? Because  
4 I don't think there's been any testimony about  
5 that.

6 Q. Well, did he deteriorate after that, doctor?

7 A. It all depends on what you mean in the context.  
8 He eventually had a respiratory arrest and died,  
9 so that's certainly deteriorating.

10 Q. That's probably not what I mean.

11 MR. JACKSON: He'll explain that,  
12 doctor, if you want.

13 Q. I mean deteriorating between the time the sodium  
14 level had gotten to an acceptable point and the  
15 time he had his arrest.

16 a. He had what I consider a very typical course for  
17 delayed post-anoxic encephalopathy. After a  
18 period of a few hours, as little as that, to a  
19 few days, they develop diffuse neurological  
20 symptoms which can consist of all the things he  
21 had plus a few more and have a respiratory  
22 arrest which often comes on suddenly and  
23 unexpectedly, and there is no way of predicting  
24 which patients are going to recover when the  
25 sodium is treated and which are going to develop



1 an arrest. And I think I ought to add that it's  
2 very well known and well documented, older  
3 individuals recover from metabolic  
4 encephalopathy much, much more slowly. Even  
5 after everything is corrected, it may take  
6 several days, I've seen as long as a week before  
7 they regain a reasonable mental status.

8 Q. Let me just follow up on the point you just  
9 made, doctor: The arrest that Mr. Kubach had  
10 you're saying was predictable based upon what  
11 happened in the operating room? Is that your  
12 testimony?

13 A. I'm saying that retrospectively what happened to  
14 him is very compatible with the syndrome of  
15 delayed post-anoxic encephalopathy and we don't  
16 know, do not know how to predict who this is  
17 going to happen to.

18 Q. And it can happen rapidly? It can happen  
19 without any warning, right? The arrest? The  
20 second arrest?

21 A. It often does, yes.

22 Q. And that's described in your '86 paper?

23 A. Yes.

24 Q. Which had been published by the time Mr. Kubach  
25 was seen at University?

1 A. Yes.

2 Q. Well, if physicians knowing that, and that was  
3 the standard of care which I think you said  
4 right from the start probably ought to be, you  
5 know, not immodestly, I might add, why didn't  
6 they prophylactically intubate him to prevent  
7 that from occurring?

8 A. It doesn't make any difference.

9 MR. JACKSON: Object.

10 A. In that syndrome, the initial insult is  
11 irreversible. The second respiratory arrest, he  
12 would die, but it wouldn't matter if they were  
13 intubated or not. It makes absolutely no  
14 difference. This is a very, very well-  
15 documented syndrome. There are hundreds of  
16 cases of this in the literature, often  
17 associated with cases such as carbon monoxide,  
18 drowning, strangulation.

19 Q. So you don't prophylactically intubate patients  
20 once they've had the initial insult to prevent  
21 the second respiratory arrest from occurring?

22 MR. JACKSON: Object. Go ahead.

23 A. I already said there is no way of knowing who  
24 this is going to happen to. You can't  
25 prophylactically intubate everybody with

1 hyponatremia, you would be intubating tens of  
2 thousands of patients which is not very  
3 practical, since intubation itself has a not  
4 insubstantial morbidity. Also, in that select  
5 group who are going to develop this syndrome, it  
6 does no good, so prophylactic intubation is  
7 useless, anyway, and has a morbidity in itself,  
8 so, no, you don't.

9 Q. What is it that causes them to have the second  
10 respiratory arrest?

11 A. The brain damage which is caused by hypoxia  
12 doesn't; become manifest for, again, as I said,  
13 periods of several hours to several days. Why  
14 this occurs at a cellular level has not yet been  
15 worked out, so I can tell you that it's been  
16 well described clinically but I can't tell you  
17 what happens at the cellular level.

18 Q. Doctor, in those patients that you've treated  
19 where this syndrome has occurred, have you also  
20 taken ammonia level readings on these patients?

21 A. No.

22 Q. Well, maybe we just solved the mystery.

23 A. I don't think so.

24 MR. KAMPINSKI: Have you got any  
25 questions?

1 MR. GARDNER: No questions.

2 MR. KAMPINSKI: I assume you want  
3 him to read it?

4 MR. JACKSON: Yes. I would prefer  
5 that.

6

7

---

8 ALLEN I. ARIEFF, M.D.

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

26

C E R T I F I C A T E

The State of Ohio, ) SS:  
County of Cuyahoga.)

I, William L. Odom, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named ALLEN I. ARIEFF, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this \_\_\_\_ day of \_\_\_\_\_, A.D. 19 \_\_\_\_.

William L. Odom, Notary Public, State of Ohio  
1750 Midland Building, Cleveland, Ohio 44115  
My commission expires February 13, 1994

## LAWYER'S NOTES

[illegible]