

IN THE COURT OF COMMON PLEAS

SUMMIT COUNTY, OHIO -

- - -

RITA J. BRIERS,

Plaintiff,

vs.

HERBERT M. AWENDER, M.D.,
et al.,

Defendants.

)

)

)

)

)

)

)

)

)

)

CASE NO. CV 87 04 1248

- - -

Deposition of HERBERT AWENDER, M.D., a
Defendant herein, called by the Plaintiff for
Cross-Examination pursuant to the Rules of Civil
Procedure, taken before me, the undersigned,
Constance McArdle, a Stenographic Reporter and Notary
Public in and for the State of Ohio, at the offices
of Buckingham, Doolittle & Burroughs, 50 South Main
Street, Akron, Ohio, on Wednesday, the 23rd day of
September, 1987, at 3:00 o'clock p.m.

a APPEARANCES:

2 On Behalf of the Plaintiff:

3 Messrs. Nuremberg, Plevin,
4 Heller & McCarthy5 BY: Maurice L. Heller, Attorney at Law
700 Engineers Building
6 Cleveland, Ohio 44114-1357

7 On Behalf of the Defendant Dr. Awender:

8 Messrs. Buckingham, Doolittle & Burroughs

9 BY: Gary A. Banas, Attorney at Law
3721 Whipple Avenue NW
10 P.O. Box 35519
Canton, Ohio 44735

11 On Behalf of the Defendant Dr. Blazik:

12 Messrs. Roetzel & Andress

13 BY: Edward A. DiGiantonio, Attorney at Law
14 75 East Market Street
Akron, Ohio 4430815 On Behalf of the Defendants Dr. Shields
16 and Dr. Oddi:

17 BY: Ellen H. Murray, Attorney at Law

18 Messrs. Jacobson, Maynard, Tuschman & Kalur
19 100 Erieview Plaza
Cleveland, Ohio 44114

20 - - -

21

22

23

24

25

1 HERBERT AWENDER, M.D.

2 Of lawful age, a Defendant herein, having been first
3 duly sworn, as hereinafter certified, deposed and
4 said as follows:

5 CROSS-EXAMINATION

6 BY MR. HELLER:

7 Q. Let the record show that the deposition of the
8 Defendant, Dr. Awender, is about to begin. The
9 record should reflect it had been earlier agreed
10 upon, between the counsel for Dr. Awender and
11 Plaintiff's counsel, that this will be taken on
12 September 23rd at 3:00 p.m. At this time and date,
13 was duly notified by Mr. Banas, on behalf of Dr.
14 Awender, to all parties. It is now 3:15 and we are
15 going to commence without the presence of counsel for
16 Dr. Shields and Dr. Oddi, although they were notified
17 and will be respectfully reserving their rights to
18 question further, if they so desire. The record
19 should also reflect the counsel for Dr. Awender is
20 present and counsel for Dr. Blazik. With the usual
2% stipulations.

22 MR. BANAS: Yes'

23 MR. DIGIANTONIO: Yes.

24 BY MR. HELLER:

25 Q. Good afternoon, Dr. Awender. Have you ever had

1 your deposition taken before?

2 A. Yes.

3 Q. How many times?

4 A. Four or five times. I do not remember the
5 details, roughly.

6 Q. We will get to those in a minute. You
7 understand you are under oath and it is important you
8 understand my questions.

9 A. Yes, sir.

10 Q. And hear me correctly. If you don't hear me
11 right, or you don't understand something, please
12 don't answer and let me know and I will be glad to
13 repeat the question. I don't want you to answer
14 anything you are confused about, okay?

15 A. Yes, sir.

16 Q. The only thing we do ask you is to speak out
17 loud whatever you have to say, because the Reporter
18 cannot get down nods or shakes of the head, all
19 right?

20 A. Right.

21 Q. Would you please tell me your present
22 profession and where your offices are located?

23 A. I am in the practice of general surgery and my
24 office is located at 157 West Cedar Street, Akron,
25 Ohio.

2 Q. Akron?

2 A. Yes.

3 Q. Doctor, you are licensed to practice medicine
4 in the State of Ohio?

5 A. Yes, sir.

6 Q. For how long have you been so licensed?

7 A. Since 1962.

8 Q. Are you licensed to practice in any other
9 states?

10 A. Yes, in Illinois.

11 Q. Where did you go to medical school, Doctor?

12 A. University of Innesbrook and University of
13 Vienna in Austria.

14 Q. When did you graduate?

15 A. 1952.

16 Q. Where did you receive your post-graduate
17 training?

18 A. University of Heidelberg, in the Department of
19 Surgery, from 1953 until 1956.

20 Q. Did you do your residency in this country?

21 A. Yes, sir.

22 Q. Where?

23 A. Akron General Hospital from 1958 to 1962.

24 Q. When did you go into private practice?

25 A. October 1962.

1 Q. Have your offices been at the same address on
2 West Cedar Street since then?

3 A. Since April 1963.

4 Q. Are you associated with any other physicians?

5 A. No, sir.

6 Q. Are you by yourself, then?

7 A. Yes, sir.

8 Q. Are you incorporated?

9 A. No, sir.

10 Q. Do you specialize in any type of surgery or
11 general surgery?

12 A. General surgery.

13 Q. As a general surgeon, how much of your work on
14 a day-by-day basis is in the field of vascular
15 surgery, and can you tell me in terms of percentage,
16 or any way you can best describe it?

17 A. Vascular surgery, excluding arterial work?

18 Q. Vascular including -- I want the general range
19 of amounts of vascular surgery?

20 A. General work on hemorrhoids and varicose veins,
21 that is a vascular surgeon by a wider definition.
22 Arterial work I don't do.

23 Q. Are you Board certified?

24 A. Yes.

25 Q. In what areas and fields are you Board

1 certified?

2 A. General surgery.

3 Q. When did you become Board certified?

4 A. December 1963.

5 Q. With what hospital are you presently
6 associated?

7 A. Akron General Medical Center, St. Thomas
8 Hospital, Childrens Hospital and Barberton Citizens
9 Hospital.

10 Q. Have you ever had your privileges suspended or
11 withdrawn at any of those hospitals?

12 A. No, sir.

13 Q. Doctor, do you have a curriculum vita in your
14 office?

15 A. No, sir.

16 Q. You don't have one already made up; is that
17 correct?

18 A. No.

19 Q. Doctor, in your field of general surgery, and
20 particularly in those areas of what you are calling a
21 narrower form of vascular surgery, are there certain
22 texts you consider more athoritative, you rely on if
23 you have a question in the area of vascular surgery?

24 A. There are no textbooks, in my opinion, which
25 are specifically dealing just with this vascular-type

1 work, which we rely on in general surgery.

2 Q. You rely on general surgical texts?

3 A. Which contain, in part, this type of work.

4 Q. What are some of the leading general surgical
5 texts on which you rely in your specialty?

6 A. The most commonly used textbooks are
2 Christopher, for instance, Sabastian.

8 Q. Is Schwartz?

9 A. Schwartz.

10 Q. Do you regularly subscribe to certain medical
11 journals?

12 A Yes, sir.

13 Q What are the journals to which you subscribe?

14 A. Archives, The Surgery Journal of the AMA,
15 Correspondence Society for Surgeons, Surgical Alert,
16 American Journal of Gastrointestinal Endoscopy and
17 Ohio State Medical Journal.

18 Q. Have you written anything? Have you
19 contributed anything to any books, solo or
20 contributed anything to professional journals?

21 A. No, sir.

22 Q. Doctor, you mentioned the fact you have given
23 four or five other depositions. Have any of those
24 been in cases where you have been sued as a
25 defendant?

I A. Both as a defendant and as an expert witness.

2 Q. How many cases have you testified as a
3 defendant?

4 A. Three or four, I do not remember the details.
5 In this vicinity.

6 Q. And did any of those cases involve issues
7 similar to what we have in this case, namely the
8 laceration of a femoral artery during a surgical
9 procedure?

10 A. No, sir.

11 Q. They were entirely different subject matters?

12 A. Yes.

13 Q. When were these depositions given, mostly in
14 the past year or more?

15 A. No, one was in 1973 or so. A long time ago. I
16 think four or five years ago there was one. Maybe
17 three years ago.

18 MR. BANAS: I think I can
19 answer, if you want me to answer.

20 MR. HELLER: Go ahead.

21 MR. BANAS: I am not sure
22 about 1973. I guess in about 1975 or 1976 there was
23 the testicular atrophy case, which we tried and got a
24 defendant's verdict. There was a case, Harmon, what
25 was his problem?

1 THE WITNESS: Lipoma of the
2 foot.

3 : : That
4 case was arbitrated, we won. Ultimately they
5 dismissed it. Those are the two I know where you
6 have given depositions.

7 BY MR. HELLER:

8 Q. Did you testify in court on any of the cases?
9 Obviously in the one dismissal, obviously not?

10 MR. BANAS: He
11 testified somewhere in a the '70's, the testicular
12 atrophy case.

13 MR. HELLER: Do you
14 remember the name of the plaintiff in that case?

15 MR. BANAS: No.

16 MR. HELLER: The
17 plaintiff's attorney?

18 MR. BANAS: I remember
19 him.

20 MR. HELLER: Who was
21 that?

22 MR. BANAS: Some young
23 guy, by the name of Shafferon, from Cleveland.

24 BY MR. HELLER:

25 Q. These cases that your attorney discussed, were

I they all filed in Summit County, to your knowledge?

2 A. Yes, sir.

3 Q. You said you also gave a deposition in cases
4 where you have been an expert witness. How many
5 times was that?

6 A. About two or three times.

2 Q. Did any -- I assume you were an expert on
8 behalf of the defendant physician; is that correct?

9 A. The court, these were emergency cases and
20 accidents and things like this. Gun shot wounds, I
11 assume.

12 MR. HELLER: Were they
13 civil malpractice cases?

14 MR. BANAS: No, I think
15 these cases he is telling you about, he was a
16 treating physician and was subpoenaed to testify
17 about a client, a patient.

18 THE WITNESS: Yes.

19 MR. BANAS: To my
20 knowledge you never acted as an expert in a medical
21 malpractice case, have you?

22 THE WITNESS: No.

23 BY MR. HELLER:

24 Q. Have you ever been asked by Mr. Banas, or any
25 attorney at any time, to review a case and/or testify

1 to on behalf of a physician who h
2 negligence?

3 A. No, sir.

4 Q. And this is the first case in
5 particular subject matter, in which
6 personally involved?

7 A. Yes, sir.

8 Q Doctor, how many bilateral venous ligations and
9 vein strippings had you done prior to the one in July
10 of 1986, on Mrs. Briers?

11 A It goes back over 25 years, I will have to.

32 Q Your best approximation?

13 A 80 to 100.

14 Q Would you consider this procedure a fairly
15 routine procedure?

16 A Yes, sir.

17 Q Can you tell me generally what the purpose of
18 the procedure is usually, when is it done, why is it
19 done?

20 A Patients who present with varicose veins, which
21 are symptomatic and painful, are usually going to
22 surgery and during the procedure you strip the veins.

23 Q This is usually done under general anesthesia,
24 I presume?

25 A Yes, sir.

1 Q. How long of a procedure is it, generally?

2 A. Between one-and-a-half and four hours.

3 Q. Before the incident with Mrs. Briers, had you
4 performed any vein stripping from the time you were
5 in private practice in 1963 to 1986?

6 A. Yes, sir.

2 Q. Were those at Akron General Hospital?

8 A. Akron General and Barberton Citizens Hospital.

9 Q. Had any incident occurred during any of those
10 procedures, that were out of the ordinary, or in any
11 way similar to what happened in this case?

12 A. No, sir.

13 Q. Had you ever been involved in any vein
14 stripping; or vein ligation is the correct name?

15 A Vein ligation and stripping.

16 Q Were you doing Mrs. Briers' vein stripping and
17 ligation?

38 A. Yes, sir.

19 Q. Had you a had an incident before where a
20 femoral artery was lacerated?

21 A. No, sir.

22 Q. Prior to coming here today, for which I do
23 thank you far taking out the time to come, can you
24 tell me what you did to prepare for this deposition?
25 Let me help you out. Did you talk to Mr. Banas?

1 MR BANAS Briefly.

2 BY MR. HELLER:

3 Q. Did you review the file, your file?

4 A. Yes, sir.

5 Q. What else did you do, did you read the hospital
6 record?

7 A. I read my own records and the operative report,
8 the pathology report and Dr. Shields' report. I did
9 not go through the nurse's notes or the hospital
10 notes.

11 MR. BANAS: Have I ever
12 given you a copy of those?

13 BY MR. HELLER:

14 Q. Yes. I presume in your work at the hospital,
15 after the lawsuit was filed, you have looked through
16 the records before; you have seen your operative
17 notes before?

18 A. Yes, that is part of my record.

19 Q. That is part of the record we are going to be
20 talking about; is that correct?

21 A. Yes.

22 Q. Did you read any textbooks prior to coming here
23 today for the deposition?

24 A. In preparation for today?

25 Q. Yes.

1 A. No, sir.

2 Q. Have you read any journal on the subject,
3 generally, with the subject of vein ligation and
4 stripping?

5 A. I read journals all the time. Nothing was in
6 preparation for this deposition.

7 Q. There was nothing in particular that you looked
8 at or read to prepare yourself for today's
9 deposition; is that correct? Specifically for
10 today's deposition?

11 A. No, sir.

12 Q. Have you talked to any other medical personnel
13 before appearing here today about the events of July
14 17, 1986?

15 A. I asked Dr. Shields, after his procedure, what
16 he was doing and what the results were and so on.
17 Other than with the surgeons who were immediately
18 involved, Dr. Shields and Dr. Oddi --

19 Q. Were there any other surgeons involved other
20 than Dr. Shields and Oddi?

21 A. No, sir.

22 Q. What role did Dr. Blazik play?

23 A. I do not know.

24 Q. Do you know who Dr. Blazik is?

25 A. Yes, sir.

1 Q. Who is he?

2 A. He is presently the Chief Resident at Akron
3 General.

4 Q. Was he present at the surgery of July 17, 1986?

5 A. No.

6 Q. During any part of the procedure, initially or
7 during the repair procedure?

8 A. Not that I know of.

9 Q. If he was, you are not aware of it?

10 A. Part of the procedure was done by Dr. Oddi, at
11 which time I was not present, but to the best of my
12 knowledge, he was not present during the surgery.

13 Q. Could he have been present when Dr. Oddi was
14 doing the work?

15 A. It's possible.

16 Q. You personally don't recall when he was there?

17 A. That is right.

18 Q. Was he involved at all when Dr. Shields was
19 doing his work?

20 A. I cannot answer that, I wasn't present.

21 Q. Have you consulted with any other physician,
22 other than Dr. Shield and Oddi, before appearing here
23 today on the subject matter we are going to be
24 talking about?

25 A. No, sir.

1 Q. Pardon?

2 A. No, sir.

3 (Thereupon, Plaintiff's Exhibits
4 1A through 1G of the Awender
5 Deposition were marked for purposes of
6 identification.)

7 BY MR. HELLER:

8 Q. Doctor, handing you what has been marked as
9 Plaintiff's Exhibit 1A through 1G, do they represent
10 accurate copies of your entire file on this case on
11 Rita Briers, of your own personal office file?

12 A. Yes, sir.

13 Q. Thank you. Except for one letter I wrote to
14 you, asking for the record which I have not
15 included. Doctor, following the incident we are
16 going to be talking about in a few minutes, was there
17 any subsequent investigation or inquiry by anyone,
18 any representative of the hospital as to what
19 occurred with Mrs. Briers?

20 A. As far as I was concerned?

21 Q. Yes.

22 A. No.

23 Q. Was there any peer review meeting regarding the
24 events that took place in the surgery on Mrs. Briers?

25 MR. BANAS: Object.

1 Answer.

2 THE WITNESS: No, sir.

3 BY MR. HELLER:

4 Q. You know what that means, peer review?

5 MR. BANAS: Object.

6 THE WITNESS: It may be a
7 general or specific committee.

8 BY MR. HELLER:

9 Q. Let me rephrase the question. Is there such a
10 thing at Akron General Hospital?

11 A. Yes, sir.

12 Q. Is there such a thing at Akron General, whether
13 you call it peer review or a commission, conferences
14 following an event? There might be a meeting of
15 various physicians, including a physician or
16 physician in charge, and a discussion is held between
17 the physician, among the physicians, as to how the
18 incident should have been handled or how it can be
19 handled in the future?

20 MR. BANAS: Objection.

21 MR. DiGIANTONIO: Objection.

22 BY MR. HELLER:

23 Q. Do such things take place at Akron
24 General?

25 A. There are such meetings.

1 Q. Is there a name? What do you call them?

2 A. Mortality and Complication.

3 Q. Conferences?

4 A. Yes.

5 Q. Was there any such mortality and complication
6 conference, following the surgery of Mrs. Briers
7 after July 17, 1986?

8 A. Yes.

9 Q. When was this?

10 A. The conferences take place every week, but
11 there was nothing specifically dealing with this
12 case.

13 Q. That was my question. You have one every week
14 for general things that come up?

15 A. The most important cases are being discussed.

16 Q. Is it possible to have a conference meeting set
17 up after the particular events, a special meeting
18 that is set up other than the routine meeting?

19 A That is possible.

20 Q. Was one set up in any way that pertained to
21 what took place to Mrs. Briers on July 17, 1986?

22 MR. BANAS: Object.

23 THE WITNESS: No, there was
24 not.

25 BY MR HELLER:

1 Q. Dr. Awender, I want to start talking to you
2 about your involvement in this case. I want you to
3 feel free to look at your records, so your answers
4 are accurate. I want to you look at the records your
5 attorney will give to you, so we are not operating on
6 guesswork. Everything I ask you, for the most part,
7 is something you can refer to your memory or the
8 records. In some cases, by memory, okay?

9 A. Yes, sir.

10 Q. When did you first have occasion ever to see
11 Mrs. Rita Briers?

12 A. As a patient, I saw her the first time on July
13 11, 1986.

14 Q. You say "as a patient," did you know her
15 personally?

16 A. She is an employee of Akron General Medical
17 Center, therefore I have seen her before.

18 Q. Did you know her socially, to say hello and
19 speak?

20 A. Nothing, other than say "hello" to her.

22 Q. What was the reason for her seeing you on July
22 11, 1986?

23 A. She presented with varicose veins of her legs
24 and she requested treatment.

25 Q. Was this more or less routine, the typical kind

I of complaint you have heard from other patients that
2 have this kind of problem?

3 A. Yes, sir.

4 Q. Was there anything unusual about her complaints
5 as she presented them?

6 A. No, sir.

7 Q. Do you have an office notes pertaining to the
8 visit with you on July 11, 1986?

9 A. Yes.

10 Q. Please read them.

11 A. "Varicose veins right and left leg. Lateral
12 and right tortuous, left conventional, (mild) right.
13 Will schedule for operation, Thursday."

14 Q. Excuse me. After mild, what is next?

15 A. On left. That is a repetition.

16 Q. All right.

17 A. "Will schedule for operation Thursday, July
18 17th, outpatient, general anesthesia."

19 Q. Was this an outpatient procedure?

20 A. No, patients often go in as an outpatient and
21 stay a couple of days.

22 Q. Was that your intention with her?

23 A. We leave it up to the patient. Some procedures
24 for instance, hernias, they can be done as an
25 outpatient procedure or as inpatient procedure with

1 the patient to be admitted after surgery. We
2 leave it up to the patient.

3 Q. Did you leave this up to her whether she wanted
4 to come in as an inpatient or outpatient?

5 A. Yes.

6 Q. That was her choice, come in as an inpatient.

7 Q. Before surgery?

8 A. Yes.

9 Q. She may?

10 A. She may have been planning to do it as an
11 outpatient, with the understanding she may want to
12 stay a day or two.

13 Q. What is the normal confinement when it's done
14 as an inpatient for this procedure?

15 A. Five days.

16 Q. Go ahead. You have something else under that
17 list as number two?

18 A. It says, "Intradermal nevi (3), supraumbilical
19 area, 1 centimeter in diameter, growing changing
20 color, will operate too."

21 Q. Could you describe what you mean by the first
22 word, "intradermal"?

23 A. Intradermal nevi are basically moles.

24 Q. She had some three moles?

25 A. Yes.

1 Q Where were they located?

2 A. On the abdominal wall.

3 Q. Something in addition you were to remove?

4 A. Yes, sir.

5 Q. Was that the main reason for her coming to the
6 hospital, or was the vein stripping the main reason?

7 A. The vein stripping.

8 Q. Go ahead, what do you have for number 3?

9 A. "Bigeminal rhythm, no treatment." This is a
10 cardiac arrhythmia.

11 Q. Was it her understanding, if you know, that you
12 were going to do a stripping on both legs?

13 A. Yes, sir.

14 Q. Was her main complaint more the left or the
15 right leg, or was it to both? I am not sure from
16 your records?

17 A. I cannot -- taking off my record I cannot
18 answer that at this point.

19 Q. Do you remember what her specific complaints
20 were?

21 A. Yes, she had pain on walking and particularly
22 on standing, and it got progressively worse.

23 Q. There is a name for that symptom, does
24 intermittent claudication cover that?

25 A. No, sir.

I Q. Were her complaints characteristic of someone
2 who needed vein stripping and vein ligations?

3 A Yes, sir

4 Q. Did you indicate to her you were going to do a
5 vein stripping and ligation on both the right and
6 left legs?

7 A. Yes, sir.

8 Q. As well as remove the moles?

9 A I have to look -- yes.

10 Q Yes what?

12 A We we were going to do both procedures.

12 Q. The vein stripping and removal of the moles?

23 A. Yes.

14 Q. She entered the hospital July 17, 1986; is that
15 correct?

26 A. Yes, sir.

17 Q. Read what you have for the next notation, next
18 to July 17, 1986.

19 A. The discharge 7/27/86.

20 Q. Could you read below that?

22 A. Bilateral vein ligation and stripping, multiple
22 interruptions and secondary stripping long and short
23 saphaneous symptoms. Number two repair of lacerated
24 superficial femoral artery (Dr. Oddi) and AGA, triple
25 A, Miss Metzger CRNA.

Q. What is CA?

2 MR. BANAS: CRNA.

3 THE WITNESS: Certified

4 Registered Nurse Anesthetist.

5 BY MR. HELLER:

6 Q. Keep reading.

A. "Post-operative complication, obstruction of
8 superficial femoral artery, left, 20 centimeters long
9 on DSA."

10 Q. What do you mean by that?

11 A. Digital subtraction angiogram.

12 Q. What do you mean by obstruction of the
13 superficial femoral artery?

14 A. After the artery was repaired by the vascular
15 surgeon, it became apparent, post-operatively, it was
16 not wide open, that there was some problem with
17 circulation. Even though the leg appeared to be
18 warm, the pulses were diminished. Because of this, a
19 study was done, a digital subtraction angiogram and
20 it showed a problem there.

21 Q. What was the problem?

22 A. Insufficient arterial flow. ✓

23 Q. And this was after the repair work done by Dr.
24 Oddi?

25 A. Yes, sir.

1 Q. Is that the condition what led ultimately to
2 the surgery by Dr. Shields on July 23rd?

3 A. Yes, sir.

4 Q. Before that -- I want to go back to the first
5 part of the July 17th note. You say here, "bilateral
6 vein ligation and stripping with multiple
7 interruptions;" what do you mean by that?

8 A. The main vein usually is stripped in one
9 piece. Then in addition, in most patients, there are
10 as several varicose veins that are tortuous and cannot
11 be stripped in one piece and they have to be removed
12 by making separate incisions and interrupting them,
13 or stripping them.

14 Q. Is that what you were doing with Miss Briers?

15 A. Yes, sir.

16 Q. Can you tell me, and you can look at the
17 operative record if you like, the name of all the
18 residents and other medical assistants who were
19 present during the surgical procedure?

20 A. Dr. Munday.

21 Q. M-U-N-D-A-Y?

22 A. Yes.

23 Q. What was his position there or classification?

24 A. He was my first assistant.

25 Q. And is he an M.D. located at Akron General

1 Hospital?

2 A Yea.

3 Q Who else was present, other than Dr. Munday?

4 A I assume, even though I can't tell from the
5 record, that there was a second assistant.

6 Q Do you remember the name?

7 A No, sir; he is not a physician.

8 Q Who?

9 A The second assistant is often not a physician.

10 Q I assume there were operative nurse's present?

11 A Yes.

12 Q And their names would be in other nurses notes?

13 A Yes

14 Q Was Dr. Oddi also present?

15 A Not in the beginning.

16 Q Can you describe for me what you were doing, up
17 to the point where the femoral artery was lacerated,
18 what exactly were you doing?

19 A The procedure starts by locating the long
20 saphenous vein overlying the ankle. Once the vein
21 has been identified, an incision is made and you
22 introduce a stripper, a plastic stripper and you
23 introduce it into the vein and bring it out in the
24 groin.

25 You make a second incision in the groin and

1 identify the different veins and eventually proceed
2 stripping the long saphenous vein. In addition to
3 the long saphenous veins, there are other veins in
4 both the rest of the leg and groin, they have to be
5 separately interrupted.

6 Q. That is what you were doing before this
7 incident took place?

8 A. Yes, sir.

9 Q. How long were you separating the various
10 saphenous veins before the femoral artery became
11 lacerated? Can you estimate for me the time
12 involved?

13 A. First we worked on the right leg, then on the
14 left; so talking about from the beginning of the
15 procedure on the left or right?

16 Q. Which leg did you do first?

17 A. Right leg.

18 Q. That went off without incident?

19 A. Yes, sir.

20 Q. How long did that whole procedure take, the
21 right leg that is?

22 A. I would have to look it up.

23 Q. If are you reading from the chart, maybe you
24 can give me the page number.

25 A. This is an anesthesia record. 28.

1 Q. Go ahead. You are reading from the anesthesia
2 record, page 28. From that, can you tell me how long
3 the right leg took?

4 MS. MURRAY: It is page
5 19. Is that the right record?

6 MR. HELLER: Thank you.
7 Page 19, does that give you the information, sir?

8 MR. BANAS: I think the
9 answer is probably not.

10 THE WITNESS: The exact
11 time, at what point Dr. Oddi was called in, I can't
12 tell you.

13 BY MR. HELLER:

14 Q. The question was first how long the right leg,
15 itself, took.

16 A. No, sir, I cannot answer that from this record.

17 Q. Based upon your experience, what is the normal
18 amount of time it would take for one leg?

19 A An hour.

20 Q Then you started in on the left leg?

21 A Yes, sir.

22 Q Haw lang were you working on the -- strike
23 that. When you began work on the left leg, did you
24 also have the multiple interruptions you described
25 existing on the right leg?

1 A We did the long, saphenous vein first That
2 was done before anything else.

3 Q On the left leg?

4 A Yes, sir.

5 Q Did you find the same amount of interruptions
6 of the various veins of the left leg as on the right?

7 A. No.

8 Q Less interruptions, less "tortuous
9 interruptions" as you describe it?

10 A I cannot answer that as to the number of
11 separate incisions we have made, because it doesn't
12 say so on the record.

13 Q From memory, can you tell me if there were less
14 separate incisions on the left than on the right?

15 A. No, sir, I cannot remember.

16 Q Can you tell me now what you were doing on left
27 leg before the femoral artery became lacerated? You
18 told me you picked up the long, saphenous vein.

19 A. Yes.

20 Q. Then what?

21 A. We were -- dissecting out tributaries and veins
22 in the left groin.

23 Q. All right.

24 A. There is a variation of numbers of veins that
25 we can find in the left groin, and we were

I interrupting them separately. Those were in addition to the long, saphenous vein. In the process of dissecting out the structures, there was one structure in the location where the veins usually are located, and of the general size of a vein, and we applied clamps and interrupted the vessel.

Looking at the size of the wall, it became apparant to me, this is not a vein by an artery, an artery which was much smaller than the usual femoral artery; however, an artery nevertheless. So that was unusual. I was suddenly confronted with an anatomic abnormality. A situation which was not presenting the norm. We took the clamps off to make sure that this is an artery, yes it was.

I put several stitches in to repair and reproximate the artery, which went without incident. However, several minutes later it became apparent that the pulse in the distal leg was not as good as I wanted it to be. Because of this, I suspected that the repair was not optimal and I asked for a consultation with Dr. Oddi.

Q. Let me interrupt you there a minute before we go on. Doctor, there is no question that the left superficial femoral artery was lacerated?

A. Yes, sir.

1 Q And can you specify for me the location of that
2 femoral artery?

3 A It was more superficial than I expected.

4 Q By "more superficial," you mean what?

5 A Closer to the skin.

6 Q Was it in the area where you would normally
7 expect the femoral artery to be located?

8 A No, sir.

9 Q Where is the area the femoral artery is usually
10 located?

11 A. Deeper

12 Q Where?

13 A In the leg.

24 Q Where in relation to the groin?

15 A Below the groin and deeper.

16 Q It was below the groin, where you would expect
11 the femoral artery to be located; is that correct?

18 A. In the same general area, but quite a ways
19 away.

20 Q. In what way was it different? I want to make
21 sure I understand You said it was abnormal. I want
22 to know what was unusual about the location of the
23 femoral artery?

24 A. Two things. Number one the location was much
25 more superficial.

1 Q. By that, you mean closer to the skin?

2 A. Right. The diameter was much smaller than
3 normal.

4 Q What is a normal diameter?

5 A It depends on the individual.

6 Q Based on -- you have done about 80 to 100 --
7 based upon your experience of having seen 80 to 100
8 arteries?

9 A 11, 12 millimeters

10 Q Average?

11 A Yes.

12 Q What would you say the diameter was on Mrs.
13 Briers?

14 A 6 millimeters.

15 Q Did you observe the 6 millimeter-wide femoral
16 artery superficially located, before the laceration
17 took place?

18 A. Yes.

19 Q. And are you telling, us your testimony is, you
20 thought that was something else?

21 A. I thought it was a vein.

22 Q. Veins and arteries are easily identified, the
23 vein itself isn't it, the difference is apparent from
24 an artery to a vein?

25 A. Not necessarily.

1 Q. Are there any characteristics that would
2 distinguish an artery from a vein?

3 A. Upon observation, not necessarily, sometimes it
4 can be very different.

5 Q. So if I understand you, it is your testimony
6 here that, you saw what you later learned to be a
7 femoral artery, but you thought it was a vein?

8 A. Yes, sir.

9 Q. And you were missled because it was closer to
10 the skin than the femoral artery usually is?

11 A. And mostly, by the size of it.

12 Q. It was about 6 millimeters wide, instead of,
13 you say, 11 or 12?

14 A. Yes, sir.

15 Q. Probably, if it had not been as superficial and
16 wider, you would have recognized it as a femoral
17 artery?

18 A. Yes, sir.

19 Q. What additional precautions, if you know
20 beforehand it was a femoral artery, what would you
21 have done differently in the procedure at that point?

22 A. You dont' interrupt it.

23 Q. By interrupt, what do you mean?

24 A. Cut it.

25 Q. Why would you not cut it, because it's an

1 artery?

2 A It s not a part of a vein ligation stripping.

3 Q What kind of clamp did you use to interrupt
4 what you thought was a veIn? You said you used a
5 clamp, right?

6 A Yes.

7 Q As part of the vein stripping procedure, is
8 there a name for the type of clamp?

9 A A hemostat.

10 Q Does a hemostat have rough ridges?

11 A Multiple, very tiny teeth

12 Q Would a hemostat be contraindicated for putting
13 onto a femoral artery? Would it be the wrong type of
14 clamp on the femoral artery?

15 A. Vascular surgeons would, as a rule, would not
16 use that to repair an artery. ✓

17 Q. What kind of clamp would they use on an
18 artery? Are there certain types of clamps that are
19 typically used?

28 A. Yes.

21 Q About how many different types are there?

22 A Six or seven different kinds.

23 Q Are they on a tray in front of you, at the
24 operating table?

25 A. No, sir.

1 Q. If you want one, how do you get one in the
2 operating room?

3 A. You would have to ask the nurse for them.

4 Q. How long does it take to get them, once you ask
5 for them?

6 A. A few minutes.

7 Q. Did you need or ask for a special vascular
8 clamp?

9 A. For the vein ligation.

10 Q. Yes?

11 A. No, sir.

12 Q. So, if I understand you now, Doctor, after you
13 started on the left leg, you saw what you thought was
14 a vein. You applied a hemostat, thinking it was
15 another vein and part of the vein stripping
16 procedure; is that correct?

17 A. Yes, sir.

18 Q. And did you then notice a flow of blood
19 emanating from where you applied the hemostat?

20 A. No, sir.

21 Q. Did you see any blood at all?

22 A. Not after I cut.

23 Q. When did you realize it was a femoral artery
24 you clamped?

25 A. When I looked at the transected vessel and I

1 saw the thicker wall than I would have expected.

2 Q. Then you know it as a femoral artery?

3 A. I suspected an artery. At this point, I still
4 didn't suspect a femoral artery, because it was much
5 smaller than a femoral artery would be.

6 Q. When did you finally confirm, in your mind, it
7 was indeed a femoral artery?

8 A. I asked the vascular surgeon to take over at
9 this point.

10 Q. Who would that have been?

11 A. Dr. Oddi.

12 Q. Do I understand you right, sir; you never saw
13 any emanation of blood flowing from the artery; is
14 that correct?

15 A. That is correct, as long as the hemostats were
16 on.

17 Q. When did you notice -- I think you said you
18 suspected it was an artery when you saw the
19 difference in the wall thickness. What was there
20 about the wall thickness that made you suspect it was
21 a femoral artery?

22 A. It was thicker.

23 Q. Did you know the hemostat was on?

24 A. Yes, sir.

25 Q. After that, did you remove the hemostat?

1 A For a brief moment.

2 Q Then what happened when you removed the
3 hemostats?

4 A I saw arterial blood.

5 Q. I use this word relatively, was it gushing, or
6 something that was there on a minute scale?

7 A You can tell there is a much more vigorous
8 stream of blood in an artery than a vein.

9 Q At that moment, I presume you realized then it
10 was an artery you had clamped?

11 A Yes

12 Q What did you do then to stop the flow of blood?

13 A I immediately put on the same clamp

14 Q The same clamp?

15 A Yes.

16 Q A hemostat?

17 A Yes.

18 Q Wouldn't one of the six or seven types of
19 vascular clamps you said you can get, by asking the
20 nurse, would not that have been more appropriate to
21 use at that time?

22 A. If it had been available, yes. ✓

23 Q. You said it would have taken one or two minutes
24 to get one; is that right?

25 A. Yes, sir.

1 Q. Would that have been too long?

2 A. That is exactly what we did.

3 Q. I thought you said when you saw the arterial
4 blood flow, you put the hemostat back on?

5 A. You cannot not let an artery pulsate for three
6 minutes until the nurse comes back.

7 Q. It would have taken two or three minutes for
8 the nurse to get the vascular clamp. You could not
9 let the arterial blood pulsate unattended; so you did
10 the next best thing, you put the hemostat back on?

11 A. Yes, sir.

12 Q. Even though the hemostat is not the right type
13 of clamp for an artery?

14 A. That is correct.

15 Q. Was Dr. Oddi present during all of this or did
16 he come in later?

17 A. He came in later.

18 Q. Was anyone -- were the people you mentioned
19 earlier, Dr. Munday, did he observe all of this?

20 A. Yes, sir.

21 Q. Did you ask him, or discuss, or have any fast
22 discussion with him what to do, or did he say
23 anything or did you?

24 A. No, sir.

25 Q. This is a decision you were making on your own

a responsibility?

2 A. Yes.

3 Q. Based upon what you felt should be done?

4 A. Yes, sir.

5 Q. Did Dr. Munday ever express to you, either then
6 or later, the thought that indeed this was an artery
7 that looked like a vein? Did he ever make that
8 comment to you?

9 A. Dr. Munday did not comment on it.

10 Q. Did you ever discuss it with him one way or the
11 other?

a2 A. It was very obvious. There was no need to
13 discuss it.

a4 Q. What was obvious?

a5 A. What was happening.

16 Q. Well, what about the fact you said the artery
17 look liked it was an artery disguised as a vein? Are
18 you saying you never discussed the appearance of the
19 vein with Dr. Munday at any later time?

20 A. Yes, we discussed this was an anatomic
21 abnormality.

22 Q. Dr. Munday agreed with you on that?

23 A. Yes, sir.

24 Q. Did the nurses also observe the same femoral
25 abnormality?

1 A. I assume.

2 Q. Do you remember the name of the nurses, without
3 looking through all the records?

4 A. No, sir.

5 Q. Ordinarily when are you doing a vein stripping
6 and ligation, is it necessary to work around the
7 femoral artery or in that area?

8 A. Close to it.

9 Q. Is it therefore necessary to exercise
10 reasonable caution not to interrupt or cut it?

11 A. Yes, sir.

12 Q. That is basic in doing this kind of work;
13 correct?

14 A. Yes.

15 Q. In the 80 to 100 vein strippings and ligations
16 you had done prior to this, had you ever noticed an
17 abnormality similar to what you describe to me now,
18 the femoral artery being more superficial?

19 A. No, sir.

20 Q. Did you ask Dr. Munday if he had ever seen
21 anything like this before?

22 A. No, I did not ask him.

23 Q. Have you ever seen anything, at any time, in
24 literature that talks about this as being something
25 that can happen?

1 A The vascular literature describing hypoplastic
2 arteries of this kind, which are rare.

3 Q You call this "hypoplastic"?

4 A Yes

5 Q What do you mean?

6 A The diameter is much smaller than normal.

7 Q How about being ~~more superficial?~~ Does that
8 have anything to do with being hypoplastic?

9 A. This may or may not have anything to do with
10 this

11 Q I presume when you saw this in the literature,
12 you read something about when or why it occurs?

13 A This a congenital abnormality that occurs in
14 some people. It's difficult to know ahead of time
15 who has it and who doesn't.

16 Q Which leads me to my next question. Is there
17 anything about the pre-exam ."- I presume Mrs. Briers
18 had a pre-exam before the surgery, right?

19 A. Yes, sir.

20 Q Is there anything about the pre-examine or your
21 clinical observation of Mrs. Briers or any of the
22 prior work-ups she had that would have in any way
23 suggested to you that she might have a congenital
24 anomaly such as this?

25 A No, sir.

1 Q. Are there any tests that you can do, or that
2 can be done at the hospital under your direction,
3 which will tend to show the condition of such an
4 anomaly?

5 A. It's theoretically possible to visualize an
6 artery by injecting a contrasting material.

7 Q. Before surgery?

8 A. Yes.

9 Q. Under what circumstances or conditions is that
10 done?

a1 A. If are you planning to do arterial work. Never
12 vein ligations or strippings.

13 Q. Would it be fair to say if you had
14 theoretically done this kind of injection, you would
15 have uncovered this anomaly?

16 A. Yes, sir.

17 Q. You are saying you would have no reason to do
18 it, because you are doing a vein stripping?

19 A. That is right.

20 Q. This type of injection is not done before a
21 vein stripping?

22 A. Never.

23 Q. Once you removed the hemostat and you saw the
24 pulsating blood, you put the hemostat back; did that
25 stem the flow of blood?

- 2 A. Yes, sir.
- 2 Q. Then what did you do?
- 3 A. I asked for a vascular clamp, which I got.
- 4 Q. Then the two or three minutes that it took were
- 5 covered, because you had the hemostat on the artery,
- 6 right?
- 7 A Yes.
- 8 Q The circulating nurse brought you the vascular
- 9 clamp?
- 10 A Yes.
- 11 Q What is the name of the clamp?
- 12 A A bulldog
- 13 Q. Did you take off the hemostat and put on a
- 14 bulldog?
- 15 A. Yes.
- 16 Q. Then what did do you?
- 17 A I sutured the vascular structure, the
- 18 transected end with number 60 arterial silk.
- 19 Q. You did this to the two ends of the femoral
- 20 artery that had been cut?
- 21 A. Yes.
- 22 Q. You spliced them together, in other words?
- 23 A. Yes.
- 24 Q. Were you doing that yourself, or with the
- 25 assistance of nurses, or was any other physician

1 there?

2 A. I did it myself with the assistance of Dr.
3 Munday.

4 Q. And how long did all this take before you had
5 everything sewed back together?

6 A. Maybe 10 minutes.

7 Q. The time you noticed the pulsating blood or the
8 artery, until you got everything sown back together,
9 what was the total time laps, 10, 12 minutes?

10 A. Yes, sir.

11 Q. Then what happened? What did you do after you
12 had it sown together?

13 A. In any arterial repair, you are interested in
14 the outflow and we were observing the leg to see
15 if --

16 Q I am sorry?

17 A Observing the leg.

18 Q The left leg, of course?

19 A The left leg. We were particularly interested
20 in the color and the distal pulses.

21 Q That means the pulses at the end of the leg?

22 A Yes, because that would give us an indication
23 if everything was all right or not. The pulses were
24 present but they were not -- after a few minutes,
25 they were not as good as I wanted them to be.

I Q Why do you think that was? What was the reason
2 for that?

3 A. There was some sort of problem with the repair.

4 Q. With the sewing repair you had done?

5 A. That is correct.

6 Q. And you noticed that almost immediately after
7 the sewing had been completed?

8 A. It took a few minutes.

9 Q. What did do you then?

E0 A. I called for the vascular consult.

11 Q. That is where Dr. Oddi comes in?

E2 A. Yes, sir.

23 Q. Is he associated -- he is a vascular surgeon
14 associated with Akron General?

I5 A. Yes, sir.

16 Q. Is he one of the regular vascular surgeons on
E7 call?

18 A. Yes.

19 Q. How long was it before he came in,
20 approximately?

21 A. Immediately. Within a few minutes.

22 Q. You showed him the situation when he got there,
23 distal pulse being weak?

24 A. Yes.

25 Q. What did he then recommend and what did he do?

1 A. He said yes, the repair is fine. There is no
2 bleeding. It's tight, however, the distal pulses are
3 weaker than he would like to see them. At this
4 point, he decided to reopen the incision and look.

5 Q. That is the incision where? At the artery?

6 A. The artery, yes.

7 Q. He undid the splicing work you had done?

8 A. Yes, sir.

9 Q. In order to find out what was going on?

10 A. Yes, sir.

11 Q. Were you present when he was doing this?

12 A. For a few minutes.

13 Q. Then did you leave?

14 A. Yes.

15 Q. Why?

16 A. He had his own assistance.

17 Q. Do you remember who they were?

18 A. No, sir.

19 Q. Is that where Dr. Blazik comes in?

20 A. I think so.

21 Q. What, if you know, what did Dr. Oddi learn when
22 he uncovered the splicing you had done on the artery?

23 MS. MURRAY: Objection.

24 BY MR. HELLER:

25 Q. If you look at the record, is it in the record

I what he found?

2 A. Not in my records.

3 Q. Have you looked at a hospital record at some
4 point?

5 A. No, I discussed it orally with him.

6 Q. What did you learn by discussing it orally with
7 him?

8 A. He was particularly interested in, for
9 instance, a thrombosis, a blood clot distally, which
10 might be the problem. So they checked the distal
11 arterial system and they inserted -- I assume they
12 inserted something to make sure it remained open. He
13 found -- and here I am speaking from my position,
14 was not there.

15 MR. BANAS: If you don't
16 know, you don't have to testify. If you know
17 something, you tell him.

18 BY MR. HELLER

19 Q. Was in fact a thrombosis found after Dr. Oddi
20 had uncovered the splicing?

21 A I cannot answer that,

22 Q If we look at your records, let's go back to
23 your records of July 17th. You say here repair of --
24 I am sorry. I forgot what you said before.

2% A "Obstruction of superficial artery."

1 Q. Dr. Oddi, which you have just described -- and
2 what does this thing say?

3 A. "AGA, AAA, Miss Metzger, CRNA."

4 Q. That is the nurse?

5 A. Yes.

6 Q. Below that?

7 A. "Postoperative complication, obstruction of
8 superficial femoral artery, left, 20 centimeters long
9 on DSA."

10 Q. What is left 12 centimeters long? Is that the
11 thrombus?

12 A. An obstruction. Whether the obstruction was
13 due to a thrombus or spasm, I cannot answer that.

14 Q. Is it my understanding, when the postoperative
15 complication you have in your record pertains to this
16 problem that was affecting the distal pulse, after
17 you spliced together the severed artery, that was the
18 postoperative complication?

19 A. No, sir.

20 Q. What are you referring to?

21 A. To what happened after the patient was done,
22 went back to the room and so on. After Dr. Oddi
23 repaired the artery. This complication refers to
24 what happened after Dr. Oddi.

25 Q. As to exactly what Dr. Oddi found, or would we

1 have to ask Dr. Oddi because he was there and he did
2 it?

3 A. That is right.

4 Q. After he did whatever he did, in terms of
5 attempting to repair, there was a complication?

6 MS. MURRAY: Objection.

7 BY MR. HELLER:

8 Q. What you call a "postoperative complication"?

9 A. It was no immediate problem after what he did,
10 because the patient went back to the floor and the
11 patient's leg was apparently normal. However, pulse
12 also, again after awhile, became less rebounding than
13 we wanted it to, and because of that, we got a
14 digital subtraction angiogram.

15 Q. That is the obstruction of the superficial
16 femoral artery DSA?

17 A. As visualized by X-ray.

18 Q. What was the nature of that test?

19 A. To find out the nature of the pulse.

20 Q. It was slow?

21 A. It was not quite as bonding as we would like.

22 Q. Whose decision is it to DSA?

23 A. Dr. Oddi's.

24 Q. Had the patient shifted to Dr. Oddi's care now,
25 or still under your care?

1 A. Primarily to Dr. Oddi.

2 Q. Did you still maintain some supervision over
3 what was happening though?

4 A. Yes. The treatment of the rest of patient, and
5 the other leg, was up to me. The treatment of the
6 femoral artery on the left was up to the vascular
7 surgeons.

8 Q. For the reasons --

9 A. It's in their territory, not mine.

10 Q. Of course, you kept a close watch because she
11 was your patient and you were interested in knowing
12 what going on; is that correct?

13 A. Yes.

14 Q. Was it because of the finding on the DSA that
15 decision was made she should go back surgery on July
16 23rd?

17 A. I assume, but the decision at this point was
18 not between the patient and me; it was between the
19 vascular surgeon and other people and the patient.
20 At this point, I was an interested observer.

21 Q. Incidentally, do you know what the intima of an
22 artery is?

23 A. Yes, sir.

24 Q. What is it?

25 A. An artery basically consists of three layers.

1 The intima, as a word, says the innermost layer.

2 Q. Would it be fair to say that as a result of the
3 hemostat clamping on an artery the intima, that
4 portion of the femoral artery, is crushed?

5 A. I cannot answer that. It can be, but sometimes
6 you apply a hemostat, and it is not crushed.

7 Q. Could you go ahead in your notes and read what
8 you have under July 23, 1986?

9 A On July 23rd?

10 Q Yes

11 A It says, "Repair and graft left superficial
12 femoral artery, Akron General Hospital, Dr. Shields
13 six millimeter diameter."

14 Q What does that refer to, if you know?

15 A To the size of the graft.

16 Q That was cortex graft, was it?

17 A Yes, sir.

18 Q And go ahead?

19 A "NB please note patient had stenotic ductor
20 canal, left, needed to be repaired."

21 Q. Could you define what you mean by that?

22 A Everything I tell you now is the result of what
23 Dr. Shields told me. I have no knowledge and have
24 not treated a stenotic abductor canal because I was
25 not in this area. However, when he tald me about it,

1 he said that in addition to the hypoplastic artery.
2 In other words in addition to the first congenital
3 abnormality on the patient, the patient presented
4 with a congenital abnormality, namely a stenotic
5 abductor canal.

6 Q. Did Dr. Shields indicate to you this stenotic
7 abductor canal was a congenital abnormality?

8 A. Yes.

9 Q What was meant by a stenotic abductor canal?

10 A I am out of my territory. This is in the
11 vascular territory. The area there, the artery goes
12 through the fascial layer, which in this case
23 apparently was constricted and stenotic.

14 Q. Rumor, or more or less, writing down what Dr.
15 Shields told you?

16 A That is correct

17 Q. Continue reading. You left off narrow ductor
18 canal.

19 A. "Needed to be repaired too."

20 Q. Too, t-o-o?

21 A T-o-o, yes. And then "NB numbness left knee,
22 left knee area, lateral aspects."

23 Q. This again is all your handwriting, of course?

24 A Yes, sir.

25 Q Is that numbness of the left knee based on your

1 examination or what Dr. Shields told you?

2 A. On my examination.

3 Q. Did you see the patient after the repair?

4 A. I did on July 23rd and July 17th.

5 Q. Did you see her on a daily basis?

6 A. Yes, sir.

7 Q. Did you examine her? Would you examine her
8 when you would see her?

9 A. Yes.

10 Q. What findings, if any, did you make during your
11 visits between July 17th and July 23rd? What did you
12 find that was abnormal when you examined her?

13 A. Frankly, everything looked pretty good to me.
14 However, the vascular surgeons, who are much better
15 trained in arterial work, thought after several days,
16 there might be something not perfect in the outflow.

17 Q. Who primarily thought this? Dr. Oddi or Dr.
18 Shields?

19 A. This is a group, and rounds are made by several
20 of these gentlemen, and I cannot answer you who made
21 the decision for the angiogram and who made the
22 decision to take her back to surgery.

23 Q. Shields did the surgery, right?

24 A. The second surgery, yes.

25 Q. You don't know he made the decision for the

1 surgery, or Dr. Oddi may have made it?

2 A. Most likely they cooperated and did it
3 together.

4 Q. They are in the same office?

5 A. Yes, sir.

6 Q. It would make sense for Dr. Oddi, having done
the repair, to follow on consult with Shields, and
8 the two of them did the surgery?

9 A. Yes.

10 Q. Did you notice numbness -- I think you said in
11 the left knee?

12 A. At this point she did not have any numbness.

13 Q. You have, "NB numbness left knee." I thought
14 you said you noted that yourself on one of your
15 examinations?

16 A. After the second repair.

17 Q. After the July 23rd repair?

18 A. Yes.

19 Q. Did you have any explanation as to why she had
20 numbness in the knee after the July 23rd repair?

21 A. I was asking for an explanation because this is
22 not part and parcel of vein ligation or repair in
23 this area, and Dr. Shields told me if it had been
24 just a repair of the artery, in the groin, there
25 would not have been any numbness. However, in

1 repairing the second congenital abnormality, namely
2 the stenotic abductor canal, he had to do this and in
3 the process the result was numbness, but I have this
4 only secondhand and I would like to refer you to Dr.
5 Shields.

6 MS. MURRAY: Objection,
7 move to strike.

8 MR. HELLER: The answer
9 will remain. It's in his notes. These are your
10 notes we are reading from?

11 BY MR. HELLER:

12 Q. According to this, I only see two more
13 entries. "10/7/86 request for insurance form"; is
14 that correct?

15 A. Yes.

16 Q. No charges?

17 A. These are entries of my office girls, yes.

18 Q. Why were there no charges rendered, if you
19 know?

20 MR. BANAS: I object.

21 THE WITNESS: There are
22 charges.

23 BY MR. HELLER:

24 Q. Were there charges or not?

25 A. Yes, sir. You can see them on the first page.

1 Q. Where am I missing something?

2 MR. BANAS: Right here,
3 look at those numbers.

4 BY MR. HELLER:

5 Q. I see over here it's faded out.

6 A. Yes.

2 Q. Whoever put in no charges, are you saying they
8 were incorrect?

9 A. What that means is they just send out a
10 request, but did not send out a statement with the
11 charges.

12 Q. Then the next entry is "6/18/87, sent copy of
13 all reports and charts to Attorney Banas."

14 A. Yes, sir.

15 Q. Doctor, when was the last time saw Mrs. Briers?

16 A. As a patient, I saw her the last time in the
17 hospital.

18 Q. That would have been what? Can you give me a
19 specific date?

20 A. I assume on 7/27/86.

21 Q. That is not in your office card. Is that from
22 somewhere in the hospital record?

23 A I have a discharge date on my office records.

24 Q. Could you show me that?

25 A It says admitted and discharged

1 Q. Where does it say discharged? I am missing it
2 here.

3 A. Discharged 7/27.

4 Q. Okay. You put down discharge date next to
5 admission date?

6 A. Yes, sir.

7 Q. The last time you saw her was July 27th?

8 A. Yes.

9 Q. Can you recall for me what her condition was at
10 that time?

11 A. She was recovered from both surgeries and ready
12 to go home.

13 Q. Did she have any symptoms you can recall at
14 this time?

15 A. No, sir.

16 Q. Was she under the care of Dr. Shields at that
17 time?

18 A. All of us. I do not know whether she was
19 officially in my service or Dr. Shields' service.

20 Q. Did you still consider her your patient when
21 she was discharged July 27th?

22 A. Yes, sir.

23 Q. Even though she, because of the incident with
24 the femoral artery, had come under the temporary care
25 of Dr. Oddi and Dr. Shields?

1 A. Yes.

2 Q. Did you make any appointment to see her again?

3 A. Yes, we usually ask the patient to come back
4 for the removal of sutures.

5 Q. Go ahead?

6 A. I asked the patient to come to my office.

7 Q. Did she come back to your office?

8 A. No, sir.

9 Q. Did you ever see her again on a social basis at
10 the hospital?

11 A. I saw her in the admitting office. I did not
12 talk to her, but I saw her.

13 Q. Did you ever have any further conversation with
14 her, "How are you getting along? How come you didn't
15 come back to the office?" Anything at all?

16 A. No, sir.

17 Q. I am looking at page 103, which Mr. Banas has
18 been good enough to give me, which is part of the
19 hospital record. Are any of these notes made by you?

20 MR. BANAS: This is the
21 homegoing instructions.

22 THE WITNESS: No, sir,
23 those are not my entries.

24 BY MR. HELLER:

25 Q. Do those -- looking at page 103 of that record,

1 does that gave us an indication what her condition
2 was at the time of her discharge?

3 A It doesn't particularly address the issue. It
4 says there was no dressing, "Rest of sutures out, no
5 dressing, sutures intact to left thigh and left groin
6 area from bypass graft."

7 Q. Is there an occasion -- maybe I am not reading,
8 are the sutures supposed to come out based upon that?

9 A The one on the left side; those were sutures
10 place in by the vascular team.

11 Q. Who would she have had to go to, to have those
12 removed?

13 A Either Dr. Shields or Dr. Oddi.

14 Q There was nothing, in particular, you could do
15 in a follow-up visit in regard to work they had done?

16 A. No.

17 Q. Dr. Awender, would you say the cut or
18 laceration of the femoral artery during the vein
19 ligation and stripping procedure done here, is not a
20 generally accepted risk of this routine procedure?
21 Would you agree with that?

22 A. If the artery is in a normal position, of a
23 normal size. In other words, if we were dealing with
24 normal circumstances, then you are quite correct,
25 this is not part and parcel of vein ligation

1 stripping.

2 Q. You would say that in this case, because it was
3 not in a normal position, because it was six
4 millimeters, approximately and because it was more
5 superficial, you are saying in this case, the cutting
6 of this artery is a recognized risk of the procedure?

7 A. The findings are so rare that --

8 Q. Findings of what?

9 A. The findings of an artery of this size and
10 location are so rare, this is a very rare
11 complication. It is not a recognized, common
12 problem.

13 Q. You would say, in this case, the cutting of the
14 artery was a risk, is that what you are saying?

15 A. Cutting of an artery is always a risk.
16 However -- that is all you want to know, isn't that
17 right?

18 Q. My question was, would you say the cutting of a
19 femoral artery during a vein ligation is a generally
20 accepted risk of this procedure? I think you say,
21 generally, it is not a risk of this procedure?

22 A. That is right.

23 Q. In this case, because of size, because it was
24 narrower and closer to the skin, are you now saying
25 -- are you saying under those circumstances it

1 becomes a special risk of the procedur
2 A Any time you are faced with an an.
3 abnormality or congenital abnormality with b.
4 vessels of different sizes and different locations
5 that is a potential risk.
6 Q. Would you say, Doctor, that the laceration of
7 the femoral artery during a vein stripping and
8 ligation, is a deviation of the standard of
9 acceptable care in doing this procedure?
10 MR. BANAS: I object.
11 You you are not talking in about this case?
12 BY MR. HELLER:
13 Q. In general, vein stripping and ligation, would
14 you agree in doing a vein stripping or ligation to
15 lacerate or cut a femoral artery is a deviation from
16 the standard of accepted medical care?
17 A. In a patient with normal anatomy, it should not
18 happen, yes.
19 Q. But are you saying, in this case, you feel it
20 is not a deviation from care because it's abnormal in
21 the ways you described?
22 A That is correct.
23 Q Is that what you are saying?
24 A Yes, sir.
25 Q. Do you know from your own knowledge, whether or

1 not the repair work done by Dr. Shields was
2 successful?

3 A. Yes.

4 MS MURRAY Object.

5 BY MR. HELLER:

6 Q. Yes you know, or yes it was successful, or
7 both?

8 A. I have not had the opportunity to see the
9 patient following her discharge, therefore I cannot
10 say whether it was successful or not.

11 Q. Have you discussed her case with Dr. Shields?

12 A. Yes.

13 Q. Have you discussed it with Dr. Oddi?

14 A. Yes.

15 Q. What have you learned? Was it a successful
16 procedure?

17 A. To the best of my knowledge, it was successful,
18 yes.

19 Q. Did she suffer any problems upon her discharge,
20 such as her foot falling asleep or decreased
21 sensation to her foot?

22 A As I mentioned earlier, there was an area of
23 numbness in her leg.

24 Q. That is based upon your office note of July
25 23rd?

1 A. No, based upon what I heard from Dr. Shields.

2 Q. When was this numbness found, on discharge July
3 27th?

4 A. I cannot tell you the exact date.

5 Q. Would you have any explanation why that would
6 be?

7 A. I don't, but Dr. Shields does.

8 Q. What is that, if you know?

9 MS. MURRAY: Objection.

10 THE WITNESS: I should not
11 speak for Dr. Shields.

12 BY MR. HELLER:

13 Q. Do you know from having discussions with Dr.
14 Oddi, or from your own knowledge, preferrably your
15 own knowledge as a surgeon, whether or not upon her
16 discharge additional surgery would have been
17 anticipated?

18 A. No, sir. There was, from what I heard from Dr.
19 Shields, there is no further surgery anticipated.

20 Q. Doctor, in your opinion, was the surgery by Dr.
21 Shields on July 23rd necessitated by reason of the
22 transection of the femoral artery and the attempted
23 repair by Dr. Oddi?

24 A. The intervention of both vascular surgeries was
25 a result of the transection of the hypoplastic

a artery

2 Q If there was no laceration of the femoral
3 artery, there would be no need for the repair by Dr.
4 Oddi?

5 A Not at this point. Remember the patient had
6 the congenital abnormality. She had a hypoplastic
7 abductor canal. Therefore, at some future point, she
8 may have had problems with the left leg, which would
9 have led to the services of a vascular surgeon.

10 Q I move that part of the answer be stricken since
a1 I am not talking about what may have happened in the
12 future. Is it fair or correct to state that there
13 have been no work done by Dr. Oddi, the initial
14 repair work done by Dr. Oddi would not have taken
15 place, had there not been a transection of this vein?

16 A That is correct. Artery.

37 Q And the surgery of Dr. Shields on July 23rd,
a8 would not have taken place had there not been a
19 transection of the femoral vein and initial repair
20 work by Dr. Oddi?

21 A Artery.

22 Q Is that correct?

23 A Yes.

24 Q. As to what may have happened in the future!, are
25 you in a position to say, within reasonable medical

1 certainty, whether she would or would not have needed
2 more surgery in the future?

3 A I cannot predict the future.

4 Q All right.

5 Q Do you know whether Mrs. Briers was having any
6 problems as of the last time you saw her was July
7 27th, was she having problems with walking or what I
8 understand to be intermittent claudication? You
9 understand what I mean by that?

10 A. Yes.

11 Q. Was she having any symptoms along those lines,
12 as of her discharge July 27th?

13 A. I don't think so.

14 Q Did you take a history as how she is feeling,
15 getting along?

16 A Only while she was in the hospital.

17 Q. Based upon what you learned in the hospital and
18 what you saw in the hospital, you had no reason to
19 feel there was any problems; is that what you are
20 saying?

21 A That is correct.

22 Q You say you saw her at work afterwards or not?

23 A Yes. She works in the admitting office. I
24 walk by there twice a day.

25 Q. I do not mean to be repetitious. Did you ask

I her how she was doing at all?

2 A. After I realized she is filing a lawsuit
3 against me, I did not talk to her anymore.

4 Q. Before you learned about the lawsuit, in the
5 intervening days, however long it was, did you have
6 any discussion how she was getting along?

7 A. No, sir, because right after surgery, she
8 didn't work.

9 Q. Then you saw her for a brief period of time, in
10 the admitting room in her job?

11 A. I saw her for a few seconds. I never talked to
12 her.

13 Q. Let's start over again. After her discharge,
14 do you recall how long it was before you first saw
15 her back at her job at the hospital?

16 A. No, sir.

17 Q. Was it some period of time?

18 A. Yes.

19 Q. Which you would expect after the surgical
20 procedure?

21 A. Yes.

22 Q. And after you saw her there, however long it
23 was, on the first occasion did you have any
24 conversation with her, how she was getting along?

25 A. Never talked to her.

I Q. So then, soon after this, you learned about the
2 legal action, and you never talked to her before the
3 legal action or after the legal action?

4 A. The legal action was coming pretty soon.

5 Q. Regardless of when it came, let's make it
6 simple. Did you ever, whenever it was, have any
7 conversation with Mrs. Briers while she was working
8 at the hospital, as to what her condition was or how
9 she was getting along?

10 A. No, sir. I have never talked to her after she
11 left the hospital.

12 Q. Or at work while she was in the hospital?

13 A. That is correct.

14 MR. HELLER: That is all I
15 have.

16 (Thereupon, the deposition was
17 concluded at 4:30 p.m.)

18 - - -
19
20
21
22
23
24
25

C E R T I F I C A T E

[illegible]

I, Constance McArdle a Stenographic Reporter and Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within named witness, HERBERT AWENDER, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to Stenotypy in the presence of said witness, afterwards prepared and produced by means of Computer-Aided Transcription and that the foregoing is a true and correct transcription of the testimony so given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Akron, Ohio on this 7th day of October 1987.

Constance McArdle,
Stenographic Reporter and
Notary Public in
and for the State of Ohio.

My commission expires January 14, 1988.