

THE STATE OF OHIO,)
) SS:
COUNTY OF CUYAHOGA.)

Doc. 18

IN THE COURT OF COMMON PLEAS

FRANCES SMITH,)
Administratrix of the)
Estate of Alvester Smith,)
Sr., Deceased,)

Plaintiff,)

v.)

Case No. 100877

ST. LUKE'S HOSPITAL,)
et al.,)

Defendants.)

- - -


Deposition of RONALD J. BACIK, M.D., a
witness herein, taken by the Plaintiff as if upon
cross-examination before Marguerite A. Sandly,
RPR/CM and Notary Public within and for the State
of Ohio, at Chest Disease Associates, Inc.,
Deaconess Professional Centre, Suite 311, 4269
Pearl Road, Cleveland, Ohio, on Thursday, the 3rd
day of December, 1987, commencing at 7:00 a.m.,
pursuant to notice and agreement of counsel.

- - -



MIZANIN REPORTING SERVICE
REGISTERED PROFESSIONAL REPORTERS
COMPUTERIZED TRANSCRIPTION

DEPOSITIONS • ARBITRATIONS • COURT HEARINGS • CONVENTIONS • MEETINGS
540 TERMINAL TOWER • CLEVELAND, OHIO 44113 • (216) 241-0331



1 APPEARANCES:

2 Char es Kampinski Co., L.P.A.,
3 By: Charles Kampinski, Esq.,
4 and
5 Christopher M. Mellino, Esq.,
6 On behalf of the Plaintiff.

7 Reminger & Reminger Co., L.P.A.,
8 By: Marc W. Groedel, Esq.,
9 On behalf of Defendants
10 Timothy L. Stephens, Jr., M.D.
11 and Curtis W. Smith, M.D.

12 Arter & Hadden,
13 By: Michael Zellers, Esq.,
14 On behalf of Defendan
15 St. Luke's Hospital.

16 Jacobson, Maynard, Tuschman
17 & Kalur Co., L.P.A.,
18 By: William Bonezzi, Esq.,
19 On behalf of Defendant
20 Song Joon Lee, M.D.

21 - - -

22 STIPULATIONS

23 It is stipulated by and between counsel
24 for the respective parties that this deposition
25 may be taken in stenotypy by Marguerite A. Sandly;
26 that her stenotype notes may be subsequently
27 transcribed in the absence of the witness; and
28 that all requirements of the Ohio Rules of Civil
29 Procedure with regard to notice of time and place
30 of taking this deposition are waived.

31 - - -

1 RONALD J. BACIK, M.D.,
2 a witness herein, called by the Plaintiff for the
3 purpose of cross-examination as provided by the
4 Ohio Rules of Civil Procedure, being by me first
5 duly sworn, as hereinafter certified, deposes and
6 says as follows:

7 CROSS-EXAMINATION

8 BY MR. KAMPINSKI:

9 Q. Would you state your full name, please.

10 A. Ronald John Bacik.

11 Q. And you are a physician here in
12 Cleveland, right?

13 A. That's correct.

14 Q. Do you have a CV, Doctor, that we can
15 look at?

16 MR. ZELLERS: It was in our
17 arbitration packet. I have got one here that you
18 can look at.

19 MR. KAMPINSKI: Okay. Thank you.

20 Q. (BY MR. KAMPINSKI) Have you ever been
21 associated with St. Luke's Hospital, Doctor?

22 A. No, I haven't.

23 Q. You were retained by Mr. Zellers to
24 render an opinion in this case; is that right?

25 A. That's correct.

1 Q. All right. What materials did you
2 review to provide him with an opinion originally?

3 A. The hospital record and then the
4 depositions as they became available.

5 I believe I have most of the depositions
6 except for one expert witness; is that correct?

7 MR. ZELLERS: You may.

8 A. I can tell you what I have here.

9 Q. Okay.

10 A. Howard Nearman's, Edgar Jackson's,
11 Edward Lin, Al Oliver, Agnes Sims, Song Lee.
12 That's it.

13 Q. Okay. You didn't get Dr. Van Poznak's
14 then?

15 A. I did not.

16 Q. Okay. Your report which was dated
17 October 19th, '87, is that the only report that
18 you prepared in this case, Doctor?

19 A. I believe it is.

20 Q. Okay. What were you asked to do
21 initially that led to your preparing this report?

22 A. Mr. Zellers wanted me to comment on the
23 most likely cause of death, given the possibility
24 of aspiration-pneumonia or acute myocardial
25 infarct.

1
2 A. And having reviewed the hospital records,
3 the letters of Dr. Rogers, I think that was the
4 only expert letter that I had at that time, and
5 the depositions of Nurse Sims and Dr. Lee, I
6 believe.

7 2. Okay. Now about Dr. Nearman, his report;

8 A. Not at that time. I did not have that
9 deposition.

10 Okay. You don't agree with Dr.

11 Nearman's conclusions in terms of his belief, his
12 conclusion that is?

13 . I think aspiration-pneumonia ;
14 possibly leading cause of death, but I don't thin
15 it was by any means a likely possibility.

16 Q. Did you render any other opinions at the
17 time of your making this report that aren't set
18 forth in the report?

19 MR. ZELERS: Objection.

20 A. I am not sure I understand the question.
21 Did I write something down or --

22 Q. No, no. Did you tell Mr. Zellers that
23 you had any other opinions with respect to the
24 case that just aren't contained in the report?

25 A. I told him that I thought there were

1 other possibilities as to the cause of death that
2 were not included with those two options.

3 Q. Okay. Did you indicate to him that you
4 had any opinions whatsoever on the intended or
5 proposed likelihood of the life expectancy of
6 Mr. Smith had he survived this incident?

7 MR. ZELLERS: Objection.

8 A. At that time, no.

9 Q. Have you since then rendered such an
10 opinion?

11 A. I think I have an idea, rough idea of
12 what his life expectancy would have been.

13 Q. Let's go slow, first of all.

14 There are tables, are there not, Doctor,
15 that physicians such as yourself use for purposes
16 of determining statistically how long somebody
17 will survive, life expectancy tables set forth by
18 the government, by life insurance companies,
19 things of that nature?

20 A. Yes, they are.

21 Q. All right. And those take into account
22 the entire population of sick people as well as
23 well people, do they not?

24 A. Yes.

25 Q. So statistically that would be an

1 average for any given person; would that be
2 correct?

3 MR. ZELLERS: Objection.

4 A. It's an average that relates to an
5 average person, someone with an average amount of
6 illness, it's only that. Simply an average.

7 Q. Right. But it's an average of sick
8 people as well as well people?

9 A. Yes, it is.

10 Q. You don't, I take it, profess that you
11 have any great insight into the ability to
12 forecast the day or the year or the month in which
13 somebody will die?

14 A. Obviously not.

15 Q. All right. Given that, why don't you
16 tell me what your opinion is, Doctor?

17 A. Well, obviously no one has a crystal
18 ball and you cannot predict, as you just stated,
19 with any kind of certainty what the time of death
20 or the date of death of anyone is going to be even
21 given a pretty good insight into their medical
22 history. However, we know beyond the tables that
23 you alluded to, that primarily do not come from
24 the medical profession, they come from insurance
25 companies, the actuarial tables are from the

I government, they're not based on medical
2 information, per se, from the medical field.

3 We do know that certain diseases do
4 shorten life span. Perhaps the best identified
5 tables have to do with the life expectancy in
6 patients with cancer. That does not apply here,
7 but those tables are fairly worked out by tumor
8 registries. I think it's fairly well known that
9 certain major medical problems can shorten life
10 expectancy and are responsible for major deaths
11 relating to medical problems, and that being heart
12 disease and lung disease.

13 This patient exhibited a strong history
14 of at least one and possibly two types of heart
15 disease, that being hypertension and coronary
16 artery disease, and also had a history of chronic
17 obstructive lung disease.

18 Q. Where do you see a history of coronary
19 artery disease?

20 A. This is referred to in some of the
21 depositions, I believe in some of the records from
22 previous institutions, this is by inference and by
23 the statements that other physicians have made.

24 There is very little in the hospital
25 record from St. Luke's Hospital to document any

1 degree of coronary artery disease, but he did
2 carry that diagnosis.

3 Q. From whom?

4 A. Well, it was in a University Hospital
5 record from 1983. I believe Dr. Jackson alluded
6 to it in his deposition that he had, he had heart
7 disease. And that would not be --

8 Q. Well, coronary heart disease?

9 A. I believe so, if I am not mistaken.

10 Q. Yes.

11 A. And it would not be uncommon for a
12 patient with a degree of hypertensive heart
13 disease, that this gentleman exhibited, to have
14 additional ischemic or coronary artery disease as
15 well.

16 Q. Well, let's take that. Coronary artery
17 disease, I take it, is treatable, is it not, by
18 by-pass?

19 A. I'm sorry. By?

20 Q. By-pass.

21 A. Well, that's one form of getting at
22 coronary artery disease, is bypass surgery.

23 Q. Medically it can be treated?

24 A. Medically you can extend life expectancy
25 with treatment.

1 Q. Exercise?

2 A. Exercise would help.

3 Q. Diet?

4 A. Obviously.

5 Q. Okay. So there are treatments for
6 coronary artery disease which I take it could
7 affect someone's life expectancy?

8 A. Exactly. There are treatments that
9 could extend how long the patient would live.
10 There are no cures, per se, for coronary artery
11 disease.

12 Q. And there is absolutely no documentation
13 I take it anywhere that would reflect the extent
14 of any coronary artery disease if, in fact, it
15 existed in Mr. Smith?

16 A. That's exactly correct.

17 Q. Okay. As far as hypertension, are you
18 suggesting, Doctor, that Mr. Smith would have
19 lived less than 19 years from the date of his
20 death because of his hypertension?

21 A. I think he would have.

22 Q. 18 years, 17, 16 and a half, 14?

23 A. This is obviously getting into the area
24 of --

25 Q. Well, in your experience.

A. -- speculation. But I think if we were going to state a time period at which he probably would no longer be alive, based on the severity documented in the chart, it was hypertension and the risks that that entails, added to the fact that he had chronic obstructive lung disease, and let's at least say possibly at least coronary artery disease. I think that a good estimate would be that he probably would not have survived more than ten years or half his expected life, his life expectancy at that point.

Q. Okay. What kind of medication was he on for his hypertension, Doctor?

A. He was on Lopressor and a diuretic, if I am not mistaken.

Q. At the time of his hospitalization?

A. I believe he was. He was on Lasix and Lopressor.

Q. Did you read Dr. Jackson's deposition or just the summary that Mr. --

A. I read most of his deposition.

Q. Did you see where he indicated that his blood pressure was fairly well controlled?

MR. ZELLERS: Objection.

25 Q. Do you recall that testimony, sir?

1 MR. ZELLERS: Objection.

2 A. No. I don't.

3 Q. (BY MR. KAMPINSKI) Would that change
4 your opinion if, in fact, that was his testimony?

5 MR. ZELLERS: Objection.

6 A. No, not really. You're evaluating a
7 patient at one point in time when you are taking
8 care of him in the office that might be no more
9 frequently than three or four times a year. With
10 him in the hospital here, under relatively stable
11 conditions, certainly you can have an elevated
12 blood pressure due to the anxiety of being in the
13 hospital, but that doesn't persist for 24 hours a
14 day.

15 In general, I think that his
16 hypertension is documented by numerous blood
17 pressures in the hospital. It was poorly
18 controlled on the same medication that was
19 supposed to be controlling him as an out-patient

20 Q. Are you suggesting that there weren't
21 reasons in this hospitalization for an elevated
22 blood pressure, Doctor?

23 A. I just said there were. The point is,
24 that doesn't cause a sustained high blood pressure
25 24 hours a day. And by and large his readings

1 were elevated.

2 Q. How many times did you see Mr. Smith,
3 Dr. Bacik?

4 A. How many times did I see him?

5 Q. Sure.

6 A. Not at all.

7 Q. Then wouldn't the doctor who did see him
8 have a better idea of whether his blood pressure
9 was controlled or not controlled?

10 A. Blood pressure control is related to a
11 blood pressure reading on the cuff. You don't
12 have to see the patient to see whether the blood
13 pressure is controlled or not.

14 Q. Was it controlled in your opinion?

15 A. No.

16 Q. Okay. How about before he entered the
17 hospital, was it controlled sufficient for him to
18 undergo the surgery?

19 A. That would be something I would have to
20 defer to the attending physician at that time,
21 because I only have, I don't think I have any
22 exact records stating a blood pressure. I may in
23 Dr. Jackson's office records.

24 Q. You mean there is no admitting blood
25 pressure when he came to the hospital on the 13th?

1 A. Oh, yes, there was, but that, you know,
2 by the same argument you are using before, may be
3 a spurious blood pressure. I would expect that to
4 be a little bit off and not very valid because of
5 the anxiety of being admitted to the hospital.

6 That first blood pressure is not one
7 that you are going to be hanging a lot of
8 information on.

9 Q. Well, if it was uncontrolled, should he
10 have gotten the surgery?

11 MR. ZELLERS: Objection.

12 A. Well, we're talking not between
13 controlled and uncontrolled. It's a gradient,
14 it's how well controlled was the blood pressure.
15 I think that he had moderately severe hypertension.
16 Not all hypertension can be controlled as well as
17 we would like to have it controlled.

18 I think that in this situation, judging
19 from the records, that at best the control was
20 only fair. And if I might expand on that, the
21 fact that the patient had cardiomegaly, or an
22 enlarged heart, would speak to the fact that the
23 blood pressure was elevated for a substantial
24 length of time.

25 Q. What's athlete's heart, Doctor?

1 A. Athlete's heart?

2 Q. Yes, athlete's heart.

3 A. That is a layman's term.

4 Q. I am a laymen.

5 A. And probably a laymen's diagnosis.

6 I think some people in the past have
7 felt that an enlarged heart can be related to --
8 how should I state this -- a patient who has
9 exceptional physical conditioning. They may have
10 an enlarged heart that is there because of his
11 physical conditioning and not because of any type
12 of medical abnormality. I personally have never
13 seen that situation and I think it's debatable
14 whether it exists or not.

15 Q. It's documented in the medical
16 literature, is it not?

17 A. I don't know whether it's documented in
18 the medical literature. If it is, there is not a
19 large amount of articles on it. It's not a big
20 thing.

21 Q. What was the nature of his congestive,
22 I'm sorry, of his pulmonary disease?

23 A. Again, he carried a diagnosis of chronic
24 obstructive lung disease and he was a smoker. And
25 I assume that was the basis for his diagnosis.

1 There are very, there is very little
2 information in the chart to document the extent of
3 his chronic lung disease.

4 Q. Well then, what conclusions do you reach
5 from whatever is available in terms of the nature
6 and extent of it?

7 A. Unfortunately there have been no blood
8 gases done as a base line. All I have is
9 Dr. Jackson's previous records, records from
10 previous admissions documenting chronic
11 obstructive lung disease and the history that he
12 was a smoker.

13 He also was admitted the first time for
14 the surgery and was discharged, as you know,
15 because of a pulmonary problem. Dr. Jackson felt
16 that it was unsafe with the pulmonary condition to
17 go through, what he felt was a chronic bronchitis.
18 Based on that information, you can surmise that he
19 had some degree of chronic obstructive lung
20 disease. For me to tell you how severe that
21 actually was, I would actually need pulmonary
22 function studies or at minimal arterial blood
23 gases done at ambient air.

24 Q. And without any of those studies, Doctor,
25 are you just guessing then as to the extent of his

lung disease?

MR. ZELLERS: Objection.

A. I think it would have to be an assumption. It's not anything concrete. I do think that he had chronic lung disease.

Q. Perhaps mild?

MR. ZELLERS: Objection.

A. Judging from Dr. Jackson's concern about his pulmonary situation and that he canceled surgery, I would say probably more in a moderate degree, which results in an, on functions studies, which equate to about a one-third reduction to close to one-half reduction in his lung function.

Q. (BY MR. KAMPINSKI) And if he stopped smoking, could that improve?

A. It might. It might improve a bit. I think cessation of smoking improves anyone's lung function. The degree to which improvement takes place is individual, and again based or judged by functional studies.

Q. So there is treatment for that then also?

A. Yes, there was treatment for that.

Q. And do you have any statistical studies that you can rely on, Doctor, that you are aware of that support or detract from your opinion?

1 A. No, I don't.

2 Q. Have you ever testified -- I'm sorry.
3 take it that -- Have you ever been retained by Mr.
4 Zellers or any members of his firm to testify
5 before?

6 A. No, I don't believe I have.

7 Q. How is it that he retained you in this
8 particular case?

9 A. I am not sure how Mr. Zellers got my
10 name.

11 Q. Have you ever testified before, Doctor?

12 A. Yes, I have.

13 Q. All right. For whom?

14 A. Let's see. I testified for Kitchen,
15 Messner & Deery in two cases.

16 Q. Well, what were they?

17 A. One was a patient, the patient's name
18 was a Michael Opalko, and I was retained to defend,
19 help defend Dr. Mersol in that case.

20 Q. And he was what kind of doctor?

21 Ear, nose and throat.

22 . Okay. Who was the attorney at Kitchen,
23 Messner & Deery?

24 A. Steve Albert.

25 Q. All right. Go on.

1 A. And there was another one for Kitchen
2 Messner with Barbara Jean White, and I don't
3 recall, but it was versus a hospital, and I don't
4 recall the hospital right offhand.

5 Q. Was that for Steve Albert also?

6 A. Yes, it was.

7 Q. And did both of these go to court?

8 A. Neither one of them went to court.

9 Q. You testified at deposition?

10 A. Right. Exactly right.

11 Q. All right. Any other cases?

12 A. There is a case for Reminger & Reminger
13 for Mr. Marvinney.

14 Q. I'm sorry, Mr. Who?

15 A. Marvinney, Craig Marvinney.

16 Q. Okay. What was the nature of that case?

17 A. It was against, I believe, Mt. Sinai
18 Hospital. And I don't remember the name
19 unfortunately of the plaintiff.

20 Q. What was the nature of the case?

21 A. It was a case of a patient who was found
22 dead in bed and the hospital was being sued for
23 negligence.

24 Q. And who were you retained on behalf of,
25 the hospital or the doctor or both?

1 A. The hospital.

2 Q. What kind of an opinion testimony did
3 you give in that case; what did it relate to?

4 A. It related to the probable cause of
5 death and the outcome, if indeed the etiology of
6 the situation was such, what would be the
7 patient's chances of survival had it been
8 adequately diagnosed or treated at that point in
9 time.

10 Q. I'm sorry. What was the nature of the
11 Barbara Jean White matter?

12 A. That was a post-operative surgical
13 problem in a patient with multiple medical
14 complications who went on to die from pulmonary
15 cause.

16 Q. And the nature of your testimony in the
17 case was what?

18 A. Having to do with essentially the
19 diagnosing of her medical problem at that time
20 post-operatively and was it adequately treated or
21 not; could death have been prevented, in other
22 words.

23 Q. And the nature of your testimony in
24 Opalko?

25 A. This was a strange case of a youngster

1 who was admitted to the hospital with severe brain
2 damage following an accident involving his bike
3 and a park vehicle. He was admitted, I think it
4 was, to Marymount Hospital for several months
5 under the care of, the pulmonary status under the
6 care of Dr. Mersol. He was then transferred, he
7 improved, survived for another six years and then
8 was found dead one morning by his mother. And
9 they were alleging that Dr. Mersol's care six
10 years hence, previous, had caused his ultimate
11 demise.

12 Q. Any other cases? And the nature of your
17 testimony was what?

14 A. That Dr. Mersol's -- the evaluation of
15 Dr. Mersol's care of the patient at Marymount
16 Hospital.

17 Q. Any other cases that you have been
18 retained by anybody to assist in?

19 A. There have been some cases that I have
20 looked at records and rendered an opinion either
21 over the phone or by letter, but nothing that went
22 even as far as a deposition, to my knowledge.

23 Q. And for whom would that have been?

24 A. I think there were one or two others for
25 Kitchen, Messner & Deery.

1 Q. Okay.

2 A. I believe there were a couple for
3 Reminger & Reminger. These go back quite a ways.

4 Q. Okay. Any other firms that you can
5 recall, Arter & Hadden?

6 A. I think this is the only one with Arter
7 & Hadden.

8 Q. Jacobson Maynard?

9 A. No.

10 Q. Any other firms that you can think of?

11 A. Not offhand.

12 Q. Did you review the depositions for the
13 purpose of determining whether or not appropriate
14 care was given by any of the doctors or the
15 institution involved?

16 A. Yes, I have.

17 Q. Did you put that in any report?

18 A. No.

19 Q. What is your opinion with respect to the
20 care provided Mr. Smith by the doctors; let's
21 start with Dr. Lee?

22 MR. ZELLERS: Objection.

23 Q. There are a few things that I think that
24 I would have done or would have expected to have
25 seen done in the immediate post-operative period

1 in this case that I think Dr. Lee didn't do.

2 Whether that would have made a
3 difference in the final outcome or not is another
4 question. However, I would have certainly felt
5 more comfortable with more data earlier on to know
6 exactly where the patient stood with regard mainly
7 to his cardiac and pulmonary status.

8 I looked at it obviously with interest
9 from the point of the nurses in the hospital,
10 since this was the reason why Arter & Hadden is
11 involved in the case, and I really could find no
12 evidence of any negligence on the part of the
13 nursing staff.

14 In fact, I thought the nurses did an
15 incredible job. I am a bit confused as to the
16 responsibility of some of the physicians involved,
17 some of the house-based physicians and also
18 Dr. Oliver, I think, who is the, at least he was
19 at that time the doctor who was in charge of the
20 surgical intensive care unit and what his
21 relationship is to both the hospital and to the
22 attending physicians in the manner of taking care
23 of their patient.

24 That is a very individual thing hospital
25 to hospital. Some institutions have the patients

1 transferred to the intensive care unit and become
2 under the primary care of the intensivist. I
3 think that's a very uncommon situation in the
4 United States today, but it does occur in certain
5 institutions.

6 If the relationship is such that he is
7 there to assist, if needed, the attending
8 physician, that changes, of course, the whole
9 complexity and his legal responsibilities and
10 medical/ethical responsibility, as far as I see it,
11 to the patient. And I am not sure that I fully
12 understand exactly the relationship between
13 Dr. Oliver, the hospital, and the physicians.

14 Q. Well, let me ask you some specific
15 questions, Doctor. The failure, I take it, of the
16 residents or of Dr. Oliver or Dr. Lee or
17 Dr. Smith to properly care for this patient who
18 you believe had some serious physical problems
19 when he entered the hospital certainly would
20 result in the high degree of risk of dying to this
21 patient, wouldn't you agree with that?

22 A. I think inappropriate care in a
23 situation of this, in this situation would result
24 in, could result in serious medical problems or
25 death.

I
1 Q. Yes. And I take it any institution
2 should be aware of whether or not the doctors they
3 put in charge of part of the hospital such as a
4 recovery room should be aware of the competence of
5 that individual, should they not, sir?

6 MR. ZELLERS: Objection.

7 A. Why I think that's the whole process of
8 credentialling and the reason for applications and
9 the process of adjoining medical staff.

10 Q. You would agree with me, would you not,
11 that the failure of that process to work
12 adequately, and that is to allow an incompetent
13 physician to be in charge of, let's say, a
14 recovery room, could result in a high degree of
15 risk to a patient requiring care of a competent
16 physician in that recovery room; would you agree
17 with that, Doctor?

18 MR. ZELLERS: Objection.

19 MR. BONEZZI: Objection.

20 A. We're dealing with an assumption that an
21 incompetent physician is in charge of an area and
22 that the hospital knows that this physician --

23 Q. Or should know.

24 A. Well --

25 Q. And I will grant you that those are both

1 assumptions, and I will ask you to assume that.

2 A. I think that would be negligence, yes.

3 Q. Wouldn't it be more than that, Doctor?

4 MR. ZELLERS: Objection.

5 Q. Well, I am not -- that's not fair,
6 because whether it's negligence or whether it's
7 far more than that is not for you or me to decide,
8 it's for the jury.

9 A. Fine.

10 Q. Let's talk about the conduct and then
11 we'll talk about the degree of culpability
12 attributed to that kind of conduct. Fair?

13 A. All right.

14 Q. Were you aware of the requirements of
15 the hospital to have Board-certified physicians as
16 level three anesthesiologists?

17 MR. ZELLERS: Objection.

18 Q. (BY MR. KAMPINSKI) Were you aware of
19 that requirement, sir?

20 A. The hospital is required to have
21 Board-certified physicians?

22 Q. The hospital required its level three
23 anesthesiologists to be Board-certified; were you
24 aware of that, sir?

25 A. No, I wasn't.

1 Q. Mr. Zellers didn't tell you that?

2 A. No.

3 Q. Were you told at all of Dr. Lee's
4 testimony in this case?

5 MR. ZELLERS: Other than his
6 deposition?

7 Q. Yes. What he testified to Monday
8 morning, or Tuesday at trial.

9 A. No.

10 Q. You are not aware of that at all?

11 A. No. I was only told that he was going
12 to admit some degree of negligence in the case,
13 but that was the sum total. I did not know what
14 he testified to.

15 Q. Or what Dr. Smith testified to?

16 A. No, I don't know that at all.

17 Q. Should Dr. Lee and Dr. Smith have been
18 aware of the CPK and the Mb fraction of two
19 percent?

20 A. I think they both probably should have
21 been aware of it as for starters. Whether they
22 would have done anything about it beyond that
23 point is another issue, but I think Dr. Oliver was
24 the doctor who ordered the study, I believe.

25 Q. Sure.

1 A. Am I correct?

2 Q. That was an hour after he returned to
3 the intensive care unit after surgery; isn't that
4 correct?

5 A. Yes.

6 Q. Isn't it true that you would expect that
7 to rise if additional tests would have been taken,
8 additional enzymes would have been drawn?

9 A. This two percent Mb fraction has
10 disturbed me, because it's not something you run
11 across very often. It's a very low percentage. I
12 have talked to some people in pathology here at
13 this hospital who state that they really can't
14 make much out of a two percent fraction. As a
15 matter of fact, they felt that at least in their
16 institution it's probably insignificant.

17 Q. Well, what troubles me is that I can't
18 talk to them. They're not here, Doctor.

19 A. I am giving you my opinion based on what
20 I would do in the same situation, how I would
21 handle this situation.

22 Q. Sure.

23 A. And whether I would consider it
24 significant, whether I would consider it
25 significant or not.

1 Q. Yes.

2 A. The fact that the total CPK was
3 minimally elevated, I believe if I am not mistaken,
4 339, and the upper limits would be 300 in their
5 laboratory, and the fact that he just went through
6 major hip surgery, which he would be expected to
7 raise the total CPK, not the Mb fraction, but the
8 total CPK, that that two percent, if we expected
9 the increase to be from a cardiac source, would
10 have been much higher.

11 Q. Would it be much higher within an hour
12 of the damage occurring or does it take a number
13 of hours, sir, for it to register?

14 A. It generally takes a while for the CPK
15 to go up. What I am not sure about and what my
16 feelings are on the issue is that it's not a slow
17 gradual rise over a period of several hours to a
18 peak. I think the peak is fairly sharp. The
19 decay portion might be a little bit slower.

20 Q. Once again, you haven't read the
21 deposition of Dr. Van Poznak; is that right --

22 A. No, I have not.

23 Q. -- the other physician retained by the
24 hospital as an expert, you haven't read that?

25 A. No.

1 Q. And is your concern as to whether or not
2 they would have done anything about it based upon
3 your belief that it may not have been significant
4 or their inability to understand what it meant if
5 they did see it?

6 A. I think it may not have been
7 significant.

8 Q. I see. Did you read Dr. Lee's testimony
9 that he did not know what the Mb fraction was; did
10 you read that, sir?

11 A. If I did -- I have read Dr. Lee's
12 deposition a while back. I don't recall that
13 particular incident.

14 Q. Okay.

15 A. I do recall that, I believe you are
16 referring to it in another deposition I read mor
17 recently, but I didn't go back to read Dr. Lee's
18 deposition to confirm that.

19 Q. Should Dr. Lee have known about the
20 black quaiac positive emesis that had occurred
21 with Mr. Smith?

22 A. I think Dr. Lee should have been aware
23 of the falling hematocrit and hemoglobin, which
24 one --

25 Q. There were a number of emeses between

1 the 14th and the 17th.

2 A. But I think only one was tested for
3 guaiac.

4 Q. I think that's probably right.

5 But he should have been aware of it,
6 whether only one was tested or not, shouldn't he?
7 He should have read the record to have become
8 aware of it, shouldn't he?

9 A. I think it had some significance in the
10 situation.

11 Q. And you've read his testimony, although
12 a while ago, where he's indicated that if he knew
13 the values, he probably wouldn't have authorized
14 surgery at that time; are you aware of that, too?

15 A. Yes.

16 Q. You don't disagree with that, do you?

17 MR. ZELLERS: Objection.

18 A. Whether or not a patient goes to surgery
19 is often a value judgment that has to be made at
20 the time. There is almost, in my mind, no type of
21 patient that cannot undergo surgery. In other
22 words, it's the balance of risks versus the
23 benefit of the surgery, and there are very little
24 absolute contraindications to surgery.

25 I think what we would have to do is

1 collect all the data available and then make a
2 decision based on the risks versus the benefits or
3 the need for the surgery, whether or not you would
4 proceed with that.

5 Q. Okay. And take the appropriate cares to
6 monitor the patient and provide care based upon
7 the risks that are present, right?

8 A. That's correct.

9 Q. But to do that you need the information
10 as to his condition, don't you?

11 A. Yes.

12 Q. Okay. And Dr. Lee did not have that,
13 did he?

14 A. I don't think he had all of it, no.

15 Q. And Dr. Smith didn't give it to him
16 either, did he, because he didn't have it, did he?

17 MR. GROEDEL: Objection.

18 A. I don't think Dr. Smith gave Dr. Lee any
19 information.

20 Q. What was Mr. Smith's condition when he
21 got to the recovery room?

22 A. Are you talking about the second surgery?

23 Q. Yes, sir.

24 A. Well, he appeared to have tachypnea and
25 tachycardia, which by itself is not terribly

1 unusual. He had a limited surgical procedure
2 under spinal anesthetic. And even given the
3 problems that he did have, his heart disease, lung
4 disease, the fact that his hematocrit had dropped,
5 I think that immediately post-operatively he came
6 through the initial part of the surgery, the
7 surgical event in pretty good shape.

8 Q. He was in pretty good shape?

9 A. I think for a post-operative patient at
10 that point in time, I think he was okay. I would
11 not be terribly concerned about the information I
12 have relating to his case immediately post-op.

13 Q. How did he do later on?

14 A. Well, that's where you would have some
15 concerns.

16 Q. I see.

17 A. He, throughout the period of time in the
18 recovery room, developed increasing respiratory
19 distress, he developed myocardial irritability, he
20 became more confused, and it was obvious that
21 something was going on in a progressive fashion.
22 And I think by the time we get to, roughly, 10:00
23 or so, 9:55, and from that point forward things
24 began to get rather serious.

25 Q. Did he receive appropriate care up until

1 that time by Dr. Lee?

2 MR. ZELLERS: Objection.

3 Q. The best that I can tell, with the way
4 the notes say, I think that up until that time
5 supportive care, which was essentially what Dr.
6 Lee was giving, could not be faulted to any great
7 extent. From that point forward I think there
8 were more things that could have been done. And
9 in retrospect, things that probably should have
10 been done earlier on in the recovery room stay,
11 but looking at the events moment by moment as the
12 patient is progressing from the end of surgery up
13 until his demise, I think that, in all probability,
14 people, anyone taking care of him should have been
15 significantly concerned in that time period
16 between nine and ten o'clock.

17 Prior to that we certainly couldn't
18 fault someone for being concerned. I think,
19 though, from that point forward it was somewhat
20 mandatory that further steps be taken.

21 Q. Well, how did they do after that?

22 A. Well, I think that the studies that I
23 would have liked to have seen done were not
24 performed.

25 Q. How about the medication that he was

1 provided, was that pretty good treatment?

2 A. Medications specifically for what?

3 Q. How about sodium pentothal, was that a
4 good drug to use on Mr. Smith?

5 A. Sodium pentothal was given just prior to
6 intubation, if I am not mistaken.

7 Q. You are not mistaken.

8 A. Well, that's not my choice as a
9 medication for intubation, but it's an acceptable
10 one.

11 Q. Is it?

12 A. (Witness indicating.)

13 Q. How did it affect Mr. Smith's heart?

14 A. How did it affect Mr. Smith's heart?

15 Q. Yes, sir. You are a chest disease
16 doctor.

17 A. I don't know that it affected his heart
18 in any significant fashion.

19 Q. Is it a cardiac depressant?

20 A. So is Lidocaine, for that matter. But
21 it needed to be given at that point.

22 Q. So sodium pentothal was needed?

23 A. I think if he was going to be intubated
24 and he required something for sedation to intubate
25 him, he was at this time confused and, as I

1 understand it, somewhat combative.

2 Q. Where do you understand that from, sir?

3 A. Either from the depositions or from the
4 record itself, which said that he became
5 progressively more confused and disoriented.

6 Q. Why don't you find that for me.

7 A. Patient remains agitated.

8 Q. What time?

9 A. 10:05. Let's see if I can find any
10 other references. Patient restless, 10:25.
11 Those are the two.

12 Q. Well, the term you used, sir, is
13 "combative," and I would only ask you where you
14 came up with that?

15 A. Well, I will retract combative. The
16 picture that I am gaining here is that the patient
17 is becoming more and more excitable based on his
18 worsening pulmonary status and difficulty in
19 breathing.

20 Q. I get the picture, Doctor.

21 A. And I think in a situation like that,
22 it's hard to put a plastic tube into the patient's
23 throat when he's upset.

24 Q. Sure, I understand. Any other opinions
25 that you are going to render today, sir?

1 MR. BONEZZI: Objection. He
2 doesn't know unless he is asked.

3 A. I guess that's the best way to answer. I
4 don't know of any.

5 Q. (BY MR. KAMPINSKI) Well, do you hold
6 any other opinions with respect to the care and
7 treatment given by anybody in this case or the
8 prospects of survival of Mr. Smith at any point in
9 time that evening or anything else regarding your
10 review of this case?

11 A. We can discuss all those --

12 Q. Well, let's discuss those.

13 A. -- those points.

14 Q. What would you like to discuss? How
15 about prospects of survival, do you think given
16 appropriate care he would have survived that
17 evening?

18 A. That would have been based on knowing
19 exactly what the cause of death was. And I will
20 explain that for you. If the patient had a
21 massive myocardial infarct, even with appropriate
22 care you cannot correct the damage that has
23 already been done to the heart. Supportive care
24 beyond that point can only be given, and that may
25 not have been enough to help him survive. If the

1 patient had a massive pulmonary embolism, again,
2 once that occurs you can only support the patient.

3 Now, whether supportive therapy with
4 oxygen and anti-arrhythmics for his heart would
5 have been enough to pull him through, again, one
6 can only surmise. I don't have enough information
7 based on arterial blood gases, arterial blood
8 gases during this time period when he was
9 worsening, a full developed 12-lead EKG to tell
10 you exactly what was going on in severity of the
11 problem, to be able to fully state whether or not
12 with any likelihood he would have been able to
13 survive had appropriate care been given.

14 Q. Does that mean you don't have an opinion
15 based on the lack of information in the chart?

16 A. It means that I cannot give an estimate
17 or give you my ideas without any degree of
18 probability whether or not he would have survived

19 Q. That's fine. That's what I am here to
20 find out. Any other opinions with respect to the
21 care that he had been given that night? You told
22 me about the nurses. Anything additional about
23 Dr. Lee or Dr. Smith that you feel needs to be
24 said?

25 A. I don't think so.

1 Q. Okay. Anything additional with respect
2 to his likelihood of surviving 10 years, 20 years,
3 80 years, whatever?

4 A. No. As I said, and this is just an
5 assumption, but I do feel in all probability,
6 given the extent of his medical problems predating
7 his admission for surgery, that in all probability
8 his life expectancy could have been another ten
9 years or so.

10 Q. Or so?

11 A. Right.

12 Q. All right. Are there any other factors
13 regarding his health that leads you to that
14 conclusion?

15 A. Just the ones we discussed.

16 Q. Did you review his EKGs, by the way?

17 A. Yes.

18 Q. Did you review them from prior to this
19 hospitalization?

20 A. I think we did have an EKG from --

21 Q. 1980?

22 A. -- '83.

23 Q. '83? Is there one from '80 also?

24 A. There might be. I am not sure I've seen
25 that one.

1 Q. Were there any changes between the EKG,
3 any of the prior EKGs and the one taken November,
3 let's see, 14th was it? Or was there one before
4 that?

5 A. November 14th, 1983.

6 Q. Was there one before that?

7 A. Not that I have out here. These are all
8 from that admission.

9 Q. Were there prior EKG changes? I mean,
10 were there changes from that EKG from the prior
11 ones?

12 A. I think the only difference is now, his
13 present admission, the one we're discussing here
14 now in '84, there was a diagnosis made of a right
15 bundle-branch. Prior to that, the diagnosis was
16 intraventricular conduction delay, which at that
17 time seems appropriate, but --

18 Q. It could be the same thing, couldn't it?

19 A. It could be, maybe just a slight
20 worsening or a slight increase slowing of the
21 conduction through the right bundle now making it
22 a more proximate right bundle-branch block. He
23 did have conduction abnormalities.

24 Q. And they weren't serious enough to
25 require a pacemaker, were they?

1 A. No.

2 Q. And were they basically the same in '84
3 as they were before, from what you can see on the
4 EKGs?

5 A. I think the EKG from '83 is very similar
6 to the one done in '84.

7 Q. You said you may have had one from
8 before, from 1980?

9 A. I haven't seen it, but I may have it
10 here in the University Hospital record.

11 The ones I have pulled here are all
12 from '83.

13 Q. Why don't you take a look and see.

14 MR. ZELLERS: Do you want him to
15 look at the one in '80?

16 MR. KAMPINSKI: I think that's the
17 one you showed --

18 MR. ZELLERS: I haven't showed
19 anybody anything.

20 MR. KAMPINSKI: -- Downs.

21 Well, it was Mr. Charas.

22 MR. ZELLERS: 108 to 113, I think.

23 A. Well, there are several different
24 changes. In '80 the QRS complex, QRS duration
25 was .115, and now in '83 it's up to .129. This is

1 significant only in that .120 or above would
2 indicate a complete bundle-branch block if that
3 pattern were present.

4 But even in '83 this is not really a
5 classical right bundle-branch pattern. It seems
6 to develop more of that in 1984. So that was in
7 the process of lessening that QRS complex. That
8 means the conduction certainly is slower.

9 Q. Right.

10 A. Second of all, he had a left axis
11 deviation. Well, the frontal plane axis is
12 changed. I would have called this a left anterior
13 hemiblock back in '80. They did not. They just
14 called it -- They didn't call it anything, as a
15 matter of fact.

16 The left anterior hemiblock, I think,
17 was present in '80 and in '83. That's really not
18 a significant difference. The degree of left
19 ventricular hypertrophy, if we can use voltage as
20 a criteria, is more significant in '83 than it was
21 in 1980. And I am looking at that with only the
22 EKG. I would have to wonder about an increased
23 wall of thickness in the left ventricular
24 consistent with a greater degree of hypertrophy.

25 Q. But that certainly doesn't define the

1 extent of the sickness or if it's even there, is
2 it, you can only make summation?

3 A. It's only one piece of information. You
4 would have to correlate that with other studies.

5 Q. Which weren't done?

6 A. A chest x-ray would be a start. And I
7 believe a cardiac echo was performed at one point
8 in time, too. I remember seeing a report about
9 that.

10 Q. In 1980?

11 A. I think it was '83.

12 Q. Okay.

13 A. Not in '80.

14 Q. Did you review any of the x-rays, by the
15 way?

16 A. The x-rays themselves?

17 Q. Yes.

18 A. No.

19 Q. Okay. Go ahead. You were looking --

20 A. That's really the only difference.

21 There looks like there might be more left
22 ventricular hypertrophy there, a greater degree
23 that you can measure on an EKG. The QRS complex
24 was lessening, which would suggest a worsening of
25 his conduction abnormalities. But beyond that

1 there's little change.

2 Q. Okay. Anything else, Doctor, that you
3 believe is significant in terms of his health?

4 A. No, not off the top of my head.

5 Q. Would it be important at all for you to
6 know how he was outwardly to people who knew him
7 day by day, whether he had complaints relating to
8 the heart or anything of that nature; would that
9 be important to you?

10 A. As far as his survival

11 Q. Yes.

12 No don't really think so

13 Q. How about as far as his condition at the
14 time that he entered the hospital?

15 A. With regard to his --

16 Q. Cardiac status or pulmonary status,
17 whether he was wheezing, whether he was short of
18 breath, whether he couldn't climb stairs, whether
19 he complained of angina, you know, anything of
20 that nature?

21 A. Well, I think that would be helpful. I
22 don't think it's necessary to make a diagnosis of
23 significant cardiac problems due to his
24 hypertension, certainly, and maybe even also to
25 his chronic lung disease. Hypertension is known

as the silent killer. You don't need a lot of
symptoms to have a significant amount of problems
with that.

Q. Are there people with hypertension who
live to age 80, 90, do you know of any?

A. With hypertension?

Q. Sure.

A. It depends on the degree of your
hypertension and your degree of treatment.

Q. So I take it that there are then?

A. There are.

Q. Okay.

A. I don't think it's common that someone
with diastolics consistently running above 100 and
110 would live that long without significant
complications, heart disease, renal disease, even
retinal disease, just because the time with the
blood pressure that elevated is going to cause
some end organ disease.

. Okay. Thank you very much.

MR. KAMPINSKI: Do you guys have any
questions?

MR. BONEZZI: No.

MR. GROEDEL: No questions.

MR. KAMPINSKI: See you later.

(Deposition concluded at 8:00 a.m.)

- - -

1 I have read the foregoing transcript from
2 page 1 to page 45 and note the following
corrections:

3 PAGE: LINE: CORRECTION: REASON:

4

5

6

7

8

9

10 Subscribed and sworn to before me this
11 day of , 1987.

12

13

14

Notary Public

15

My Commission Expires:

16

17

18

19

20

21

22

23

24

25

THE STATE OF OHIO,)
) SS: CERTIFICATE
COUNTY OF CUYAHOGA.)

I, Marguerite A. Sandly, RPR/CM and Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that RONALD J. BACIK, M.D. was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above set forth was reduced to writing by me by means of Stenotype and was subsequently transcribed into typewriting by means of computer-aided transcription under my direction; that said deposition was taken at the time and place aforesaid pursuant to notice and be agreement of counsel; and that I am not a relative or attorney of either party or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I hereunto set my hand
and seal of office at Cleveland, Ohio, this 3rd
day of November, 1987.

Marguerite A. Sandly, RPR/CM and Notary
Public within and for the State of Ohio
540 Terminal Tower
Cleveland, Ohio 44113

My Commission Expires: October 30, 1989.