

IN THE COURT OF COMMON PLEAS OF CUYAHOGA COUNTY, OHIO

— — —

Angela DiCicco,
Administratrix of the
Estate of Carl Pietrangelo, :

Plaintiff, :

vs . : Case No. 348542
Judge P. Cleary

Meridia Hillcrest Hospital, :
et al.,

Defendants.

— — —

DEPOSITION

of Robert R. Bahnson, M.D., a witness called by the Plaintiff under the applicable Rules of Civil Procedure, taken before me, Maria DiPaolo Jones, a Notary Public in and for the State of Ohio, by agreement of counsel and without notice or legal formality, at OSU Clinic, 456 West Tenth Avenue, Columbus, Ohio, on Monday, October 4, 1999, at 3:10 p.m.

— — —

ARMSTRONG & OKEY, INC.
185 South Fifth Street, Suite 101
Columbus, Ohio 43215-5201
(614)224-9481 - (800)223-9481
Fax - (614)224-5724

ORIGINAL

ARMSTRONG & OKEY, INC., Columbus, Ohio

APPEARANCES :

Spangenberg, Shibley & Liber
 By Mr. Dennis R. Lansdowne
 2400 National City Center
 1900 East Ninth Street
 Cleveland, Ohio 44114-3400

On behalf of the Plaintiff.

Weston, Hurd, Fallon, Paisley & Howley, L.L.P.
 By Mr. Harry Sigmier
 2500 Terminal Tower
 50 Public Square
 Cleveland, Ohio 44113-2241

On behalf of Defendant Dr. Luria.

Weston, Hurd, Fallon, Paisley & Howley, L.L.P.
 By Mr. Dennis R. Fogarty
 2500 Terminal Tower
 50 Public Square
 Cleveland, Ohio 44113-2241

On behalf of Defendant Doctors Hill & Thomas
 Co., and Dr. Charms.

Reminger & Reminger
 By Mr. Brant E. Poling
 Courthouse Square
 505 South High Street
 Columbus, Ohio 43215

On behalf of Defendant Meridi Hillcrest
 Hospital.

Davis & Young
 By Mr. Kevin Norchi
 1700 Midland Building
 101 Prospect Avenue West
 Cleveland, Ohio 44115-1027

On behalf of Defendant Dr. Siminovitch.

- - -

Monday Afternoon Session,
October 4, 1999.

- - -

STIPULATIONS

It is stipulated by and among counsel for the respective parties that the deposition of Robert R. Bahnson, M.D., a witness called by the Plaintiff, may be taken at this time by the Notary by agreement of counsel without notice or other legal formality; that said deposition may be reduced to writing in stenotypy by the Notary, whose notes thereafter may be transcribed out of the presence of the witness; and that proof of the official character and qualification of the Notary is waived.

- - -

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

INDEX

- - -

WITNESS	PAGE
Robert R. Bahnson, M.D. Examination by Mr. Lansdowne	5

- - -

DEPOSITION EXHIBITS	IDENTIFIED
1 - 7/14/99 letter to K.M. Norchi from Dr. Bahnson	29
2 - 8/20/99 letter to J. Casey from Dr. Green	99
3 - 7/12/99 letter from Dr. Hamor to D.R. Fogarty	101
4 - 2/3/95 surgical pathology report; 2/6/95 cytopathology report; 2/6/95 surgical pathology report and addendum	107

- - -

1 ROBERT R. BAHNSON, M.D.

2 being by me first duly sworn, as hereinafter certified,
3 deposes and says as follows:

4 EXAMINATION

5 By Mr. Lansdowne:

6 Q. Doctor, would you state your full name for the
7 record, please?

8 A. Robert Roy Bahnson.

9 Q. Dr. Bahnson, we met just for a second before
10 the deposition here. My name's Dennis Lansdowne. I
11 represent the family of Mr. Carl Pietrangelo in this
12 case that is pending in Cuyahoga County, and you have
13 been identify as an expert on behalf of
14 Dr. Siminovitch. I take it you are going to be an expert
15 on behalf of Dr. Siminovitch in this case.

16 A. I am.

17 Q. All right. I'm here to ask you some questions
18 to find out what opinions you hold and the bases for
19 those opinions that you intend to render in this case.
20 Do you understand that's the purpose of our being here
21 today?

22 A. I do.

23 Q. All right. You've given depositions before?

24 A. I have.

25 Q. On how many occasions?

1 A. I don't recall.

2 Q. How many in the last year?

3 A. Don't recall.

4 Q. Is it more than ten within the last year?

5 A. I don't recall.

6 Q. Is it more than five?

7 A. I don't recall.

8 Q. Is it less than 20?

9 A. I don't recall.

10 Q. Okay. You have no idea how many depositions
11 you've given in the last year; is that correct?

12 A. I don't recall.

13 Q. Okay. How would we find that out?

14 A. I don't know.

15 Q. Do you have any record of the depositions that
16 you've given in the past year?

17 A. I do not.

18 Q. Could it be as many as a hundred?

19 A. It could not,

20 Q. Okay. Could it be as many as 50?

21 A. I doubt it.

22 Q. Okay. Is it more likely around 25?

23 A. I don't recall.

24 Q. Okay. Somewhere between 25 and 50?

25 A. I don't recall.

1 Q. Okay. In what circumstances were you involved
2 in depositions in the last year?

3 A. Medical malpractice cases.

4 Q. All of them? All the depositions that you've
5 given in the past year were medical malpractice cases?

6 A. Yes.

7 Q. And have you testified in a courtroom in the
8 last year?

9 A. Yes.

10 Q. Again, medical malpractice case?

11 A. Yes.

12 Q. And I almost hate to ask this, but how many
13 times have you testified in a courtroom in the last
14 year?

15 A. Don't recall.

16 Q. How about where? Do you remember where you
17 testified?

18 A. I remember one testimony.

19 Q. Where was that?

20 A. That was in Kittanning, Pennsylvania.

21 Q. All right. And how long ago was that?

22 A. I don't specifically recall the dates.

23 Q. All right. You testified in a courtroom in
24 Ohio before?

25 A. To the best of my recollection, no.

Q. How is it that you get involved as an expert in medical malpractice cases?

A. I'm usually contacted by an attorney or someone representing an attorney.

Q. Do you know how attorneys get your name for that purpose?

A. I don't know.

Q. Do you belong to any service that provides expert witnesses to people?

A. I do not.

Q. Have you ever?

A. I have never.

Q. All right. Have you worked with Mr. Norchi before in any medical malpractice case?

A. To the best of my recollection, no.

Q. How about the firm of Davis & Young?

A. To the best of my recollection, no.

Q. Have you ever worked with any firm in the city of Cleveland before in a medical negligence case?

A. To the best of my recollection, no.

Q. Okay. Do you know how it is that Mr. Norchi came to contact you in this case?

A. I do not know.

Q. All right. Do you know Dr. Siminovitch?

A. I do not.

1 were you retained on behalf of the defense?

2 A. To the best of my recollection, for the past
3 year, all of them.

4 Q. Why is that?

5 A. I have no idea.

6 Q. Have you ever testified in deposition on behalf
7 of a patient in a medical negligence case?

8 A. I have.

9 Q. On how many occasions?

10 A. One that I recall.

11 Q. One in your entire career?

12 A. Yes.

13 Q. So are you able to give any percentage of how
14 many cases you testified on behalf of the plaintiff as
15 opposed to the defendants?

16 A. The majority would be on the defense side.

17 Q. Ninety-nine percent, would you say?

18 A. I don't recall.

19 Q. It might be 99 percent, but you're not sure.

20 A. It might be.

21 Q. Okay. What do you understand is meant by the
22 term "standard of care"?

23 A. Commonly accepted practice.

24 Q. I need to do some housekeeping things in terms
25 of your charges and things like that. What are your

1 charges for involvement in a medical negligence matter?

2 A. As an expert?

3 Q. I suppose you don't charge to be a party, but
4 what are your charges as an expert?

5 A. As an expert what I charge is \$250 an hour to
6 review materials, and that's on time outside of what I
7 would call normal working hours.

8 Q. Right.

9 A. And for a deposition I charge a minimum of
10 \$1,500, and if the deposition takes longer than the
11 amount of time that I would normally assign to a
12 deposition, it could be more.

13 Q. Okay.

14 A. And it's really based on a daily charge of
15 \$3,500, which is what I charge for a testimony if I'm
16 involved in a trial. And that's on a day, basis so,
17 essentially, an eight-hour day.

18 Q. So just so I know, I am being charged \$1,500
19 for this deposition, and how long do I have before it
20 kicks into another charge?

21 A. It would have to exceed eight hours.

22 Q. Okay. I think I'm safe.

23 MR. NORCHI: I hope you're safe.

24 MR. FOGARTY: I hope so.

25 MR. POLING: You better be.

1 Q. Actually, these other gentlemen --

2 A. Actually, that' -- I don't think -- ^ gave you
3 another answer, and I apologize.

4 Q. Go ahead.

5 A. I used to decipher in my head, and I can't, so
6 \$3,500 divided by eight hours.

7 Q. I see.

8 A. But I think you're safe.

9 Q. All right.

10 A. I do have to excuse myself at 5:00, I'm sorry,
11 so that is a --

12 Q. That's it?

13 A. Yes, sir.

14 Q. 5:00?

15 A. Yes, sir.

16 Q. Okay. Well, for the record, I may not be done
17 at 5:00, but if you have to go, you have to go, and
18 we'll have to deal with it with the judge.

19 A. Okay.

20 Q. Because, obviously, we can't hold you.

21 A. Sure.

22 Q. Let me remind -- just as you did now correcting
23 yourself, feel free at any time to correct an answer
24 that you've given earlier, add to it or amend it, okay?

25 A. Yes.

1 Q. And if you don't understand my question or I
2 use a medical term incorrectly or in a fashion that you
3 don't think it should be used, please advise me of that,
4 all right?

5 A. I will.

6 Q. All right. Are you an employee of Ohio State?
7 Is that how that works?

8 A. I am not.

9 Q. Who are you employed by?

10 A. The Department of Surgery Corporation.

11 Q. The Department of Surgery Corporation, What is
12 that?

13 A. It's a corporation engaged in the practice of
14 medicine in the State of Ohio.

15 Q. Is it just for the urology surgeons, or does it
16 include other surgeons?

17 A. Includes other surgeons in the Department of
18 Surgery.

19 Q. Okay. And do you have any specific title
20 within that corporation?

21 A. I do. I am a vice president.

22 Q. All right. And what about your title in the
23 Department, do you have a specific title within that?

24 A. Yes. I am the Louis Levy Professor and I -- in
25 the Department of Surgery.

1 Q. What is that, the Louis Levy Professor of
2 Surgery?

3 A. Louis Levy was a Columbus denizen who made a
4 fortune in auto parts and was a patient of Dr. Chester
5 Winter, a former chairman of the Division of Urology,
6 and in his gratitude for the care that he received, he
7 contributed a sum of money to the University which is
8 now in a development account. That money is of
9 sufficient size that it endows a professorship in
10 Mr. Levy's name, and I currently occupy that
11 professorship.

12 Q. I see. Have you, since you mentioned another
13 possible involvement in a case, have you ever been a
14 party in a medical negligence case?

15 A. By a "party," a defendant?

16 Q. That would be one party. Have you ever been a
17 defendant in a lawsuit, medical negligence lawsuit?

18 A. Yes.

19 Q. On how many occasions?

20 A. I have been named in three lawsuits.

21 Q. Are any of those still pending?

22 A. Yes, two of them.

23 Q. What do those involve?

24 A. Allegations of malpractice.

25 Q. With regard to what? What specific issues? Do

1 you know?

2 A. Yes. In one case a patient that I operated on
3 for prostate cancer is alleging that I negligently
4 performed his surgery and that he developed impotence
5 and incontinence after surgery. I understand the
6 complaint's being amended because the judge has said
7 that that's insufficient grounds for an allegation of
8 negligence because those are both common complications
9 of that procedure, and as of yet the Plaintiff's
10 attorney has not filed an amended complaint.

11 MR. NORCHI: Doctor, if you could, just explain
12 what the issue is. If these are pending cases in which
13 you should be represented by counsel, I would suggest
14 that you don't give too much detail. You can explain to
15 him what the issues are in the complaint, I assume
16 that's a matter of public record, but anything else is
17 improper, and I'm advising you of that.

18 I mean, if you choose to answer, I can't stop
19 you, but I would suggest you need to be represented by
20 your own counsel in those cases. But go ahead, the
21 second lawsuit?

22 A. Second is an allegation of negligence, and on
23 advice of counsel, I will have nothing more to say.

24 Q. Okay. And where are those pending, here in
25 Columbus?

1 A. On the advice of counsel, I have nothing more
2 to say.

3 MR. NORCHI: You can tell him where they're
4 pending.

5 Q. That would be public record, Doctor.

6 A. The one is in Pittsburgh, and one is in
7 Columbus.

8 Q. And you said there is one other one that's no
9 longer pending; is that right?

10 A. It was dismissed for -- it was dismissed.

11 Q. What percent of your professional time do you
12 spend as an expert in medical negligence cases?

13 A. I would guess that it's somewhere between
14 zero -- well, it can't be zero. It's between 1 and 2
15 percent.

16 Q. Have you ever testified that a person would
17 have survived if their renal cancer had been diagnosed
18 earlier?

19 A. To the best of my recollection, I have not made
20 that testimony.

21 Q. Have you ever seen such a situation where you
22 thought that if the person had been diagnosed earlier,
23 they would have survived?

24 A. My father; yes.

25 Q. I don't want to get into a personal situation,

1 Doctor, but is that a situation in which you felt that
2 somebody did not appropriately diagnose a renal cell
3 cancer?

4 A. Oh, he didn't have a renal cell cancer. I'm
5 sorry, I didn't know you restricted it to a renal cell
6 cancer.

7 Q. Was it a kidney cancer?

8 A. No.

9 Q. Some other type of cancer that you thought
10 should have been diagnosed earlier?

11 A. No. It was another condition that had it been
12 diagnosed earlier, he probably would have lived.

13 Q. Okay. And you felt that the physicians
14 involved should have diagnosed it earlier?

15 A. No,

16 Q. Have you ever seen a situation in which -- let
17 me be more specific, then. You thought that physicians
18 should have diagnosed a type of cancer earlier than it
19 was diagnosed?

20 A. Yes.

21 Q. And did those involve patients that have come
22 to you?

23 A. Yes.

24 Q. And looking back at their charts you thought
25 that they probably should have been diagnosed earlier by

1 their physician?

2 A. Yes.

3 Q. Did you advise the patients that they should
4 have been diagnosed earlier?

5 A. No.

6 Q. Why not?

7 A. I took care of their problem for them.

8 Q. Some of those patients die --

9 A. No.

10 Q. -- of cancer? None of them?

11 A. They did not.

12 Q. Okay. Do you think that would have been
13 appropriate for you to tell them that their physicians
14 should have diagnosed their cancer earlier?

15 A. I don't have an opinion.

16 Q. Pardon me?

17 A. I don't have an opinion on that.

18 Q. Did you ever talk to those physicians and say,
19 "Hey, you should have picked this up a little earlier"?

20 A. Yes, I did.

21 Q. So you called the physicians and said, "Hey,
22 you should" -- what did you tell them?

23 A. They were actually people who were radiologists
24 who I brought it to their attention that there were
25 things that were present on examinations that they had

1 not seen.

2 Q. All right. How often does that kind of thing
3 happen?

4 A. Not very often. It's rare.

5 Q. What's your current practice?

6 A. Urology.

7 Q. Can you describe, is it a general urology
8 practice?

9 A. It is not.

10 Q. What is it, then?

11 A. It's an academic urologic practice that focuses
12 on cancer.

13 Q. What does that mean, an academic urologic
14 practice?

15 A. It means I have other responsibilities other
16 than caring for patients.

17 Q. What are those?

18 A. Teaching and scholarship.

19 Q. What do you mean by "scholarship"; research?

20 A. That would be one aspect.

21 Q. What else?

22 A. Writing. Advising. Advancing the specialty.

23 Q. What percent of your time is devoted to actual
24 clinical practice?

25 A. Probably an estimate would be, at the present

1 time, 85 percent.

2 Q. And what percentage of your time is devoted to
3 teaching?

4 A. It's difficult to -- for me to say in a
5 compartmentalized way what percent is teaching because
6 much of the teaching I give is to residents who are in
7 training, and much of that occurs while I'm delivering
8 care to patients, and so there's overlap.

9 Q. There's an overlap, yeah.

10 A. It's unusual for me to be involved with patient
11 care when there is not a resident involved. But there
12 are specific teaching things that are done that are
13 absent of patient care, such as our X-ray Conference and
14 Indications Conference that starts at 5:00 tonight.

15 Q. Don't suppose we could sit in on that, could
16 we?

17 A. You'd be welcome to.

18 Q. Okay. You said that it's an academic practice,
19 did you say focusing on cancer? I don't want to --

20 A. I'm not sure the adjective I used, but that's
21 what I meant.

22 Q. Okay.

23 A. I do work pretty much exclusively in the area
24 of cancer, not 100 percent.

25 Q. Do the -- what kind of a patient load do you

1 have?

2 A. Well, I generally see in the office every week
3 somewhere around a hundred patients, and I operate
4 pretty much all day on Wednesdays and Fridays. And
5 that's over and above the office practice, which is
6 Monday, Tuesday and Thursday.

7 Q. All right. And is yours primarily a referral
8 practice, would you describe it?

9 A. Yes.

10 Q. Do the majority of your patients present to you
11 already with a diagnosis of cancer?

12 A. I think so, yes.

13 Q. Are the majority of your patients referred by
14 other urologists?

15 A. I actually think many of them end up seeing me
16 with their diagnosis made because of the James Cancer
17 Hospital and the fact that I'm head of Urology there,
18 and so as much as I'd like to think they come to see me,
19 I think they're really there because of the cancer
20 hospital and I happen to be the urologist.

21 Q. Okay. So most of them are going to the
22 hospital with a diagnosis of some sort of cancer
23 already, and then if it's in the field of urology, they
24 get to you; is that fair to say?

25 A. I think that's fair.

1 Q. Are you an oncologist?

2 A. I treat people with cancer. I do not have a
3 board certificate -- I treat people with cancer.

4 Q. Are you an oncologist?

5 A. Yes.

6 Q. Okay. And what makes you an oncologist?

7 A. I treat people with cancer.

8 Q. Any board certification in oncology?

9 A. In medical oncology, no.

10 Q. Are you board certified in some other type of
11 oncology?

12 A. I am board certified in urology.

13 Q. Okay. Do you belong to any oncology societies
14 or associations?

15 A. Yes.

16 Q. What are they?

17 A. I'm a member of the Society of Urologic
18 Oncology.

19 Q. Any others?

20 A. I'm a member of the American Association of
21 Cancer Research.

22 Q. Anything else?

23 A. The American Urologic Association, which has a
24 large portion of its activities devoted towards cancer
25 of the genitourinary system.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

editors of that text.

Q. Is that one that you have ever read or had ever read any parts of it?

A. Yes, I have.

Q. Do you have it here in your office? I didn't see it over there.

A. Then we probably don't.

Q. Okay. Is this conference room that we're in -- there are a number of books here, what, is this the library for the Urology Department?

A. It is.

Q. And who picks the books that go in here?

A. At the moment we don't have anyone picking the books. We're not adding to the collection at the moment.

Q. Okay. When did you stop adding?

A. I suppose when I came here to become the chairman.

1 Q. Okay. When that?

2 A. In September of 1996.

3 Q. And why did you stop --

4 A. Well, books are about two years out of date the
5 first day they're published, No. 1.

6 No. 2, we have a great library that's about 200
7 yards from here, so if anybody really wants to look
8 something up in a book, they can do that.

9 And thirdly, reimbursements to the hospitals
10 and physicians are down substantially over what they
11 used to be, so we're trying to cut corners where we can.

12 Q. Okay. Did you say that books are two years out
13 of date by the time they're published, medical texts,
14 generally?

15 A. That's correct.

16 Q. Would that go for your books, the ones you've
17 written as well?

18 A. Yes, they would.

19 Q. Okay. So why do you write them?

20 A. I asked myself that same question after I
21 completed my book.

22 Q. Okay. Do you know Dr. Neil Rosen?

23 A. I do not.

24 Q. Have you ever heard of him?

25 A. Never. Excuse me, that's not true, I have

1 Q. Is it your practice to, as far as urology
2 films, to read all the films on your patients yourself?

3 A. No.

4 Q. Okay. If you don't read them, do you defer to
5 the radiologist reading them?

6 A. In some cases.

7 Q. How do you determine whether you're going to
8 review films in a particular case or you're going to
9 defer to the radiologist?

10 A. Don't have a set outline of rules of when I do
11 and when I don't.

12 Q. Do you feel that, as far as urological x-rays
13 or other kinds of radiology studies, you're as competent
14 to read them as a radiologist?

15 A. In some cases, yes.

16 Q. What cases would those be?

17 A. Well, examples, not an exhaustive, exclusive or
18 all-inclusive list, I would say that excretory urograms,
19 retrograde ureterograms, voiding cystourethrograms,
20 ultrasounds, cat scans.

21 Q. In all of those areas --

22 A. Retrograde pyelograms.

23 Q. I'm sorry.

24 In all of those radiologic studies that you
25 just mentioned, you feel that you as a urologist would

1 be just as competent to read them as a radiologist; is
2 that fair to say?

3 A. I don't think I would agree with that
4 statement.

5 Q. Okay. What don't you agree with about it?

6 A. I think that competence, when it comes to
7 reading x-rays, is a difficult issue to judge, and until
8 there is some means by which you can quantitatively make
9 that evaluation, that it would be pointless for me to
10 place myself in some comparison with radiologists.

11 Q. When you say "quantitatively" evaluate, you
12 mean test in some way; is that what you're referring to?

13 A. That would be quantitative.

14 Q. Okay. Do you have to read films as part of the
15 urology boards?

16 A. Yes.

17 Q. Would you say that's sort of a test?

18 A. It is a test.

19 Q. How much of the urology boards is radiology,
20 reading radiology?

21 A. The only way we could decide a percentage is to
22 take a look at the total numbers of hours of testing,
23 and the qualifying exam I believe is a total of six
24 hours, and I think the radiology and pathology are each
25 an hour, and I think your oral -- you have two oral

1 examiners, each of those being an hour.

2 so I suppose it would be about a sixth or a
3 seventh of the total exam was radiology in terms of
4 annual radiologic exam. Although on your oral
5 examinations you are given images that you must
6 interpret, and on the written exam there are sometimes
7 reproductions of films that you're asked to interpret.

8 Q. One of the things you do with your residents is
9 go over films and ask them to interpret them and then
10 you check their interpretations?

11 A. Yes.

12 Q. Now, you get paid by your corporation, is it by
13 the Surgery Corporation; is that right? That's who
14 gives you your paycheck?

15 A. No. I earn my paycheck.

16 Q. Okay. Well, when you earn it, who gives it to
17 you?

18 A. I suppose I do.

19 Q. All right. What's the check say on it? Who's
20 it from?

21 A. Well, I actually get two of them, one from The
22 Ohio State University, and I imagine that's signed by
23 the treasurer, but since it's a direct deposit, I never
24 see a signature.

25 Q. Okay.

1 A. And I also get a direct deposit from the
2 Department of Surgery Corporation. I imagine that's
3 signed by our executive director or the president of the
4 corporation, but I don't see those either.

5 Q. All right. When you get a check for a medical
6 malpractice case, is that your income or do you turn
7 that over to the Department of Surgery, or to Ohio
8 State, or what?

9 A. That's my income. I do not turn that over to
10 either Ohio State or the Department of Surgery
11 Corporation.

12 Q. Okay. How does that stack up in terms of
13 percentage of your income, your medical malpractice
14 involvement as an expert?

15 A. Well, I know it would be less than 10 percent,
16 and I think it's probably less than 5 percent, but I
17 don't know that for sure. I'd have to go back over my
18 tax return.

19 Q. Okay. Now, in this case that we're here about
20 you wrote a letter on July 14th, 1999, to Mr. Norchi,
21 correct? We'll mark that as Exhibit 1 for your
22 deposition.

23 A. Yes.

24 Q. And your testimony in this case is going to be
25 about the care of Dr. Siminovitch; is that right?

1 A. Yes.

2 Q. You're not offering any opinions about the care
3 of Dr. Luria, correct?

4 A. Correct.

5 Q. You're not offering any opinions about the care
6 of the radiologist, correct?

7 A. Correct.

8 (EXHIBIT MARKED FOR IDENTIFICATION.)

9 Q. We've now marked this as Exhibit 1, Deposition
10 Exhibit 1 with your name on it. Would you, for the
11 record, identify that, Doctor?

12 A. Deposition Exhibit 1 Bahnson.

13 Q. What is it?

14 A. This is a letter which I sent to Mr. Norchi on
15 July 14th.

16 Q. All right. And that contains the opinions and
17 the basis for your opinions that you're going to offer
18 in this case, correct?

19 A. Yes.

20 Q. What is it you were asked to do in this case?

21 A. Initially, I was asked to review the documents
22 which he sent me -- Mr. Norchi -- and to render an
23 opinion as to whether or not there was negligence on the
24 part of Dr. Siminovitch.

25 Q. And you agreed to do that.

1 A. I did.

2 Q. And why is it you agreed to become involved in
3 this case?

4 A. I was asked.

5 Q. Okay. When were you first contacted?

6 A. I don't recall.

7 Q. Do you keep a record of your time spent on the
8 case so you can bill on it?

9 A. I do.

10 Q. Okay. Would there be a ledger indicating when
11 you first got involved and spent any time on the case?

12 A. Not a ledger.

13 Q. What would there be?

14 A. There might be a copy of a bill that I sent him
15 for the time that I spent.

16 Q. Okay. You have some materials in front of
17 you. Is that your entire file on this case?

18 A. Yes.

19 Q. Can we go through and identify these?

20 MR. LANSLOWNE: May I?

21 MR. NORCHI: Yes.

22 Q. There's some clipped pages which are identified
23 as Dr. Luria's office chart; is that right?

24 A. Correct.

25 Q. And you reviewed that.

1 A. Yes.

2 Q. And there's some clipped pages identified as
3 Dr. Siminovitch's office chart; is that right?

4 A. Yes.

5 Q. And then there's stapled pages of documents
6 identified as Luria/Pietrangelo, No. 10 957. Do you
7 know what that number 101957 is?

8 A. I do not.

9 Q. Okay. And it's further identified on the
10 first -- on this cover page as University Hospitals
11 Admission 1/22 - 28/96, right?

12 A. Correct.

13 Q. And there's stapled pages Luria/Pietrangelo,
14 No. 101957, University Hospital Admission 10/22 - 25/95,
15 correct?

16 A. Correct.

17 Q. And you reviewed that. Right?

18 A. Yes.

19 Q. And you also reviewed this next one that is
20 similarly identified and is University Hospitals
21 Admission for 6/12 - 6/15/95, correct?

22 A. Yes.

23 Q. And you reviewed that.

24 A. Yes.

25 Q. And there's some pages that are identified as

1 pathology reports, and you reviewed those as well?

2 4 Yes

3 Q And you reviewed the report of a Dr. Fred Kuyt;
4 is that correct?

5 A Yes

6 Q And the report of a Dr. Fred Barnett, correct?
7 do you recall that? Here it is here (indicating)

8 A Yes

9 Q And as you mentioned before, the report of
10 Dr. Neil Rosen, correct?

11 A Yes

12 Q When there's a down volume of records
13 indicating Medical Records 10/7/88 through 10/27/93; do
14 you see those?

15 A Yes

16 Q And you reviewed those

17 A Yes

18 Q And some bound records identified as University
19 Hospitals Outpatient Records

20 A Uh-huh

21 Q It's better if you said a "yes," Doctor.

22 A Yes

23 Q And you reviewed those as well

24 A Yes

25 Q The University Hospital Admission 2/3 -

1 2/14/95, you reviewed those as well.

2 A. Yes.

3 Q. And did you review Dr. Luria's deposition?

4 A. Yes.

5 Q. Okay. Have you now identified all of the
6 material that you reviewed in this case?

7 A. Yes.

8 Q. Have you looked at any films in this case?

9 A. Yes.

10 Q. Okay. And do you still have them in your file,
11 or did you send them back, or what?

12 A. I actually saw them for the first time today.

13 Q. Oh. Well, what films did you see today?

14 A. I saw the -- two CT scans, one which was done
15 at the time that there was a percutaneous aspiration of
16 the cyst, and I believe that was in 1988.

17 Q. Correct.

18 A. And then a CT scan of the kidneys that I think
19 was done at University Hospitals, and I believe that
20 was -- I'm sorry, I don't recall the date. It was in
21 the '90s, '93, somewhere.

22 MR. NORCHI: '94.

23 Q. '95?

24 A. '95, sorry.

25 Q. It's quite all right.

1 Okay. And did you make any notes about your
2 review of those films?

3 A. No.

 Q. You saw them for a couple minutes today; is
that it?

 A. Yes, a few minutes.

 Q. Okay. Well, since it's not in your report, I
8 don't expect you're going to be offering any opinions
about those films; is that fair to say?

 A. Oh, I could offer my opinion about the films if
you wanted me to.

 Q. Well, is it dif'ferent from your opinions in
3 your report?

 A. It doesn't change the opinions in my report.

 Q. It's an additional opinion? Or, additional
16 opinions?

17 A. It supports my conclusions that I reached in my
18 report.

19 Q. Did you ever ask to look at those films before
20 today?

21 A. I did not.

22 Q. Okay. You'd seen the reports of those films
23 before, correct?

24 A. Yes, I had.

25 Q. Having reviewed the films now, do you agree

1 with the radiology reports that go with the films?

2 A. I haven't reviewed the films alongside the
3 reports, so I don't think I have a major change in terms
4 of what those are based on my review from some time ago,
5 but I didn't go through and compare them side to side.

6 Q. Did you look at any medical literature in
7 coming to your conclusions in this case?

8 A. I did.

9 Q. What did you look at?

10 A. I looked at the Bozniak classification for
11 cystic lesions of the kidney.

12 Q. Did you look at an article by Bozniak or did
13 you look at the classifications independent of the
14 article, or what did you look at exactly?

15 A. I looked at the, I'd say uro-radiology textbook
16 that summarizes -- his original article I believe came
17 out in a radiology journal in I think the mid-'80s, '85,
18 '86, somewhere around there, and I did not review that,
19 what I reviewed is the Textbook of Uroradiology.

20 Q. What textbook?

21 A. Oh, it used to be called Emmett's Clinical
22 Urography, now it's called Howard -- gosh, I should
23 know, I'm an author in the stupid thing. Clinical
24 Urography I think is the title of it.

25 Q. Where did you look at it?

1 A. I have a copy in my office of that text.

2 Q. What's the date of that text? Do you know?

3 A. I wouldn't know. I'd have to look it up. It's
4 got to be sometime in the late-'80s because what I wrote
5 for it was in '86, I believe.

6 Q. What did you write for it?

7 A. I wrote a section on radiography of bladder
8 inflammation, I believe.

9 Q. Any other literature besides that, the Bozniak
10 classifications in that text that you looked at?

11 A. None that I specifically recall.

12 Q. Okay. What about any of your own literature,
13 did you go look at any of that material?

14 A. I read the literature almost daily.

15 Q. I meant things that you authored, did you go
16 look at any of your publications?

17 A. In reference to preparing my opinions about
18 this case?

19 Q. Yes.

20 A. No.

21 Q. Okay. Is there anything that you've written
22 that is of any particular relevance to the issues here?

23 A. Not that I recall.

24 Q. Okay. Are you aware of other literature
25 besides the article that you looked at in the text that

1 'you've identified that would be relevant to the issues
2 that are presented in this case?

3 A. I would imagine that we could fill this room
4 with articles that are written about the issue that is
5 being brought up by this particular case.

6 Q. What do you think the issue is that's being
7 brought up by this particular case?

8 A. It's a clinical issue that we as urologists
9 struggle with, which is how far do you go when you are
10 trying to prove or disprove the presence of a
11 malignancy?

12 Q. I notice in this conference room, which is also
13 the Urology Department library, you have Campbell's --
14 at least two different versions of Campbell's Urology;
15 is that correct?

16 A. Well, there's at least two that I can see from
17 here, there may be more. One of them's quite old and
18 one of them is old.

19 Q. All right. Do you know how many -- well,
20 strike that.

21 Is Campbell's a fairly widely utilized text in
22 the field of urology?

23 A. Yes.

24 Q. Would you say it's authoritative?

25 A. No.

1 Q. Okay. Because no text is authoritative?
2 A. That is correct.
3 Q. Including your own.
4 A. Very definitely.
5 Q. Okay.
6 (Interruption.)
7 Q. Is Exhibit 1 the only report that you've
8 prepared in this case?
9 A. To the best of my recollection.
10 Q. Were there any drafts of the report?
11 A. To the best of my recollection, no.
12 Q. And what did you understand this report to be?
13 What did you understand the purpose of your writing the
14 report was?
15 A. To convey my review of the materials and my
16 opinion based upon my knowledge, my expertise, my
17 experience, that in this specific situation
18 Dr. Siminovitch did not fall beneath the standard of
19 care in terms of his treatment of this patient.
20 Q. All right. Let me ask you about kidney
21 cancer. And that's one of your areas of specific
22 interest, I assume, correct?
23 A. Yes.
24 Q. Is early diagnosis of kidney cancer important?
25 A. It may be

1 Q. It might not be?

2 A. Yes, it might not be.

3 Q. In terms of determining survival of a person
4 with kidney cancer, what are the factors?

5 A. The accepted factors are stage and grade and
6 performance status of the patient.

7 Q. And does stage and grade have anything to do
8 with the timing of the diagnosis?

9 A. I would say in terms of stage, yes. In terms
10 of grade, possibly.

11 Q. What **is** the treatment for kidney cancer?

12 A. There are several.

13 Q. What are they?

14 A. No treatment, surgery, radiation,
15 chemotherapy, immunotherapy. That would be it.

16 Q. In terms of survival of the patient, what's the
17 most successful treatment?

18 A. Surgery.

19 Q. Is surgery the only treatment that offers a
20 better than 50 percent chance of survival with kidney
21 cancer?

22 A. No.

23 Q. No? What other treatments would offer a better
24 than 50 percent chance of survival?

25 A. I'm pretty sure it's Dr. Bozniak who reported a

1 'number of patients who lived long periods of time and
2 they had no treatment whatsoever.

3 Q. Do you recommend no treatment for kidney cancer
4 very often in your practice?

5 A. How often would be "very often"?

6 Q. I don't know. Just, you know, English. How
7 often is "very often" to you?

8 A. I would say that that's a small percentage of
9 patients that I recommend no treatment, but it's not
10 rare.

11 Q. And are these patients you expect to survive?

12 A. Yes.

13 Q. For long periods of time?

14 A. And how long is "long"?

15 Q. More than five years.

16 A. Yes.

17 Q. Okay. Is this renal cell cancer that we're
18 talking about, or are you just talking about all kidney
19 cancers?

20 A. I was referring actually primarily in this
21 discussion to renal cell cancer.

22 Q. Okay. I'm sorry, were you finished?

23 A. Yes, I was finished.

24 Q. In your report you make a statement that
25 "...there is no proof, either by pathologic specimen or

1 'by autopsy, that the patient had a renal cell
2 carcinoma." That's part of your report, correct?

3 A. It is.

4 Q. And when you say there's no pathologic
5 specimen, you mean there's no specimen of the actual
6 kidney tissue or tumor tissue in the kidney; is that
7 what you're talking about?

8 A. That's one part of it.

9 Q. And the other part being because there was no
10 autopsy at all, correct?

11 A. Correct.

12 Q. Now, is it your conclusion that you're unable
13 to tell what kind of cancer Mr. Pietrangelo had?

14 A. That is my conclusion.

15 Q. So you have no opinion, with reasonable medical
16 probability, as to whether or not Mr. Pietrangelo had
17 renal cell carcinoma; is that correct?

18 A. You'll have to ask that question a different
19 way.

20 Q. Okay. Do you have an opinion, with reasonable
21 medical probability, as to whether or not
22 Mr. Pietrangelo had renal cell carcinoma?

23 A, I don't have an opinion.

24 Q. Okay. Can you tell me what's the most common
25 site for renal cell carcinoma to metastasize to?

1 A. Yes.

2 Q. Would you?

A. Lymph nodes.

4 Q. Is there a common progression as far as
5 metastases for renal cell cancer?

6 A. No.

7 Q. Is there a common growth pattern for renal cell
8 carcinoma?

9 A. No.

10 Q. In other words, it can grow slowly for periods
11 of time and then quickly for periods of time, correct?

12 A. Correct.

13 May I just modify that statement?

14 Q. Certainly.

15 A. Because I know of lesions that -- in patients
16 that I've cared for or read about that it progressed
17 quickly and I know about lesions that have progressed
18 exceedingly slowly. I have never cared for a patient
19 nor have I ever read about a patient in whom the same
20 tumor was seen to grow quickly at one stage and then
21 very slowly at another time.

22 Q. You've never read about that?

23 A. Correct.

24 Q. And do you think that happens?

25 A. I wouldn't be surprised if it could, I just

1 Q. Okay.

2 A. Okay. Now, you're asking me to assume ha he
3 died of renal cell cancer and then, if I understand your
4 question correctly, you then want me to go back and look
5 at what Dr. Siminovitch did when he saw the patient, I
6 believe it was back in 1991; is that correct?

7 Q. And '2.

8 A. And '2. And you're going to ask me is that
9 going to change my opinion about whether or not he met
10 the standard of care or didn't meet the standard of
11 care.

12 Q. Right,

13 A. And my answer to you is, is that it does not
14 change my opinion.

15 Q. Okay. The reason I ask it is because your
16 report said "I base my opinion on the following things"
17 and then you say "First of all, there is no proof,
18 either by pathologic specimen or by autopsy, that the
19 patient had renal cell carcinoma."

20 **So** your letter seems to say that part of your
21 basis for believing that Dr. Siminovitch was not
22 negligent is the fact that you don't have this proof
23 that Mr. Pietrangelo had renal cell carcinoma. Is that
24 what you meant to convey in this letter?

25 A. I think it gets back to, you know, your

1 question to me earlier about what was my understanding
2 about what I was supposed to do when I reviewed these
3 documents. And so if he didn't have renal cancer and
4 didn't die of renal cancer, then it seems to me that
5 issues about Dr. Siminovitch are not terribly important.

6 Q. Okay.

7 A. Are you going to, in your --

8 MR. NORCHI: Did you answer the question,
9 Doctor? Make sure you answer the question.

10 A. All right, why don't you repeat the question
11 again.

12 MR. NORCHI: I think he answered it.

13 THE WITNESS: What was my answer to the
14 question?

15 MR. LANSLOWNE: Do you want to read back as far
16 back as he wants to have read back?

17 THE WITNESS: Just my answer, please.

18 (Answer read.)

19 A. Okay.

20 Q. And if he did die of renal cancer, then what?

21 A. Then the evaluation that he performed at the
22 time when he saw him and the recommendations that he
23 made and the information that he obtained were
24 sufficient, in my opinion, at that time to rule out the
25 presence of the cancer.

1 Q. Okay. So what did he die of? Maybe he didn't
2 die.

3 A. I'm not --

4 MR. NORCHI: I don't know, you filed the
5 lawsuit.

6 A. I'm not sur I know from the information that
7 was provided. I think he died of cancer. It certainly
8 appears to me that something in his body was a
9 malignancy and was spreading to other parts of his body,
10 that's clear. The origin of that cancer is what I think
11 remains in question.

12 Q. You just don't know what the origin of the
13 cancer is; is that right?

14 A, That's correct.

15 Q. Okay. So it's possible it was the kidney, and
16 it's possible it wasn't the kidney.

17 A. I think that's fair.

18 Q. Okay. Do you know what the physicians who were
19 caring for him at University Hospital were identifying
20 as the primary site?

21 A. I do.

22 Q. **And** what was that?

23 A. They were operating under an assumption that he
24 had renal cancer.

25 Q. And did they have any reason to operate under

1 that assumption?

2 A. I think the physicians at University Hospital
3 are good physicians, so I'm going to make an assumption
4 that they did.

5 Q. Do you know Dr. John Murphy?

6 A. I don't.

7 Q. Are you aware from reviewing the University
8 Hospital records that he was the oncologist who was
9 taking care of Mr. Pietrangelo?

10 A. I'd have to re-review them to make sure that
11 was the person. I know that there were oncologists who
12 were attending him when he was there at the hospital, I
13 don't recall the name.

14 Q. But you would not accept the conclusions of the
15 University Hospital physicians that he was, in fact --
16 that he did, in fact, have a renal cell carcinoma.

17 A. That's correct, I would not accept that.

18 Q. Okay. But you wouldn't dispute it either, I
19 guess.

20 A. I think that what I agreed to is that it's
21 possible that he had renal cell cancer.

22 Q. Just as likely that he had it as he didn't?

23 A. I don't think I would agree with that.

24 Q. In order to have -- in order for you to be
25 convinced that he had renal cell carcinoma, there would

1 have to be either a pathologic specimen or -- taken
2 while he was alive or at autopsy, correct?

3 A. You could exhume him, I guess.

4 Q. Well, that would be by autopsy.

5 A. I'm not sure exhumation qualifies as a
6 postmortem exam. Perhaps you're right, but --

7 Q. I am. I've done it.

8 A. Okay. Then I'll --

9 Q. So accept that, will you?

10 A. I will accept it.

11 Q. All right. So what you need is pathologic
12 specimen or specimen at autopsy in order for you to
13 conclude that a patient had renal cell carcinoma; is
14 that right?

15 A. Yes.

16 Q. Okay. Does renal cell cancer metastasize to
17 the lungs?

18 A. It can.

19 Q. Is that a common site of metastases?

20 A. It is.

21 Q. What is -- I don't think I can pronounce this,
22 maybe can you help me. M-u-c-i-n.

23 A. Mucin?

24 Q. Yes.

25 A. It's a lubricant,

1 Q. What does the absence of mucin mean with
2 respect to -- when you're trying to determin wha kind
3 of cancer cells you're looking at?

4 A. Certain cells in the body will typically
5 produce mucin, and if you're looking at a cancer and you
6 don't know where it came from, sometimes you check to
7 see whether or not those cells are producing any of a
8 number of substances, mucin happening to be one of them,
9 and if they do produce that, then it heightens your
10 suspicion that that's where the cancer came from.

11 Q. What about the kidneys, do they produce mucin?

12 A. Typically, no.

13 Q. Okay. So the absence of mucin might support a
14 finding of renal cell cancer?

15 A. It could.

16 Q. Did you read the report of a Dr. Green in this
17 case?

18 A. I don't recall reading a report of a
19 Dr. Green.

20 MR. NORCHI: I'll represent to you, Dennis, I
21 did not send him a report of a Dr. Green.

22 MR. LANSLOWNE: Okay.

23 Q. You did read Dr. Siminovitch's deposition, so
24 let me ask you a few things about that.

25 Dr. Siminovitch says that the methodology or

1 modalities of differentiating between benign and
2 malignant renal masses have been fairly constant over
3 the years. Would you agree with that?

4 A. What period of time are we talking about?

5 Q. Well, let's say from '88 through '92.

6 A. I would agree with that.

7 Q. Okay. What about from '92 to the present?

8 A. What does "relative" mean?

9 Q. You mean when I said -- I don't think I said
10 "relatively." I said "fairly constant."

11 A. Okay, what does "fairly" mean?

12 Q. Well, have there been significant changes?

13 A. What does "significant" mean?

14 Q. Okay. You don't think you can answer that
15 question as I've stated it?

16 A. I just know that words are very important to
17 you much more so than they are to us in the medical
18 profession, so I'm seeking clarification of your
19 definition.

20 Q. No problem with that, I'm just trying to get an
21 answer, and if you can't answer that, then that's fine.
22 As long as you answer the same way when you come to
23 trial, right? You're going to answer the same way when
24 you come to trial as you're answering here, right?

25 A. I'll do my best.

1 Q. Okay. Because I'll remind you if you don't,
2 okay?

3 A. I imagine you will.

4 Q. You mentioned Bozniak, is that a -- the Bozniak
5 categories, is that something that you use in your
6 practice?

7 A. Yes.

8 Q. Okay. I imagine, as we discussed before, the
9 people that come to you have already been diagnosed with
10 cancer, so you're not as often put in the position of
11 trying to differentiate between a benign cyst and a
12 malignant cyst; would that be fair or not?

13 A. Actually, I think the opposite is true. I
14 think that for this particular situation where there is
15 questions about whether or not a complex renal mass is
16 cancerous or not, I think I actually tend to see that
17 more than someone who's in a more general practice of
18 urology.

19 Q. Okay. So before when I was asking you about
20 whether the people that you see had more often than not
21 already been diagnosed with cancer, that's not actually
22 accurate?

23 A. Oh, no. On the contrary. It's exceedingly
24 accurate because most of the cancers that I see do have
25 the diagnosis made, prostate, bladder, urethral, penile,

1 testicular cancer, those diagnoses. And many times the
2 diagnosis of kidney cancer has been made. But I see
3 many patients who are sent in because of a problematic
4 situation in terms of trying to make a diagnosis for a
5 lesion or mass that's present in the kidney.

6 Q. Okay. All right. Well, thank you, that
7 clarifies that.

8 And you did say something about -- you did use
9 the term "complex cyst." You differentiate between a
10 simple and a complex cyst?

11 A. Yes, I do.

12 Q. And how do you make that distinction? What is
13 the difference?

14 A. Well, a simple cyst is round, it has no
15 internal echos, it has posterior acoustic shadowing
16 behind it, the rim is not variegated, it's uniform.

17 Q. Anything else that would factor in?

18 A. No. I think that pretty much does it in terms
19 of the ultrasonographic characteristics.

20 In terms of the CT characteristics, again, it's
21 of uniform density, it's round, it does not have
22 internal septations, and would be free of calcification.

23 Q. You mentioned a CT scan. If you are evaluating
24 a known complex cyst with CT, you'd want to get a pre-
25 and postcontrast study, I take it.

1 MR. FOGARTY: Objection.

2 A. If I were evaluating a complex cyst.

3 Q. Yes.

4 A. I'm the first person to see this patient?

5 Q. What difference does that make? Or, does that
6 make a difference?

7 A. Yes.

8 Q. Okay. What is the difference?

9 A. I just needed to work through what I do and so
10 I need to have you --

11 Q. Okay.

12 A. -- spell out the circumstances. I'm seeing a
13 patient for the very first time, and they arrive in my
14 office, and what they have had done is a sonogram of the
15 kidney? And that shows a complex cyst.

16 Q. Or they have a complex cyst that's been
17 identified by CT.

18 A. Okay. Well, probably if they've had the CT,
19 then oftentimes what I will do is get a sonogram,
20 because I think the studies can be complementary, I
21 really utilize them both.

22 Q. All right. Well, if you're going to use a CT
23 to evaluate a cyst, a complex cyst, I'm just asking
24 about the CT itself, would you want to get a pre- and
25 postcontrast study?

1 MR. FOGARTY: Objection.

2 A. It would depend on the circumstances and the
3 patient.

4 Q. Okay. Under what circumstances would you not
5 want both a pre and post?

6 A. I wouldn't want to do it in somebody who had a
7 contrast allergy.

8 Q. Okay.

9 A. Wouldn't want to do it in somebody that had a
10 history of hyperuricemia or uricosuria. Wouldn't want
11 to do it in somebody who had preexisting renal
12 dysfunction. You wouldn't want to do it in somebody who
13 had had previous contrast, nephropathy. So just good
14 practice of medicine, rule out the people in whom doing
15 that could be injurious to them.

16 Q. All right. But I mean, in terms of
17 diagnostics, other than somebody who's not a candidate
18 for that under any circumstances, wouldn't you want to
19 get both?

20 MR. FOGARTY: Objection.

21 A. Again, I'm going to say that it would depend a
22 little bit upon the situation. I think in many cases
23 what you describe is what I do. I do, when I do a CT,
24 get pre- and postcontrast if there's no clinical
25 contraindication to doing that.

1 Q. Was there any clinical contraindication for
2 Mr. Pietrangelo at any point that you're aware of?

3 MR. FOGARTY: Objection.

4 A. I don't think there was, to my review.

5 Q. And the reason that you in your own practice
6 use a pre- and postcontrast study is what? CT study.

7 A. Well, a number of reasons, because the two
8 studies give you some different information. The
9 precontrast study really is devoid, for the most part,
10 of functional information about the performance of the
11 kidneys in terms of doing the job they do.

12 And when you give contrast, that does give you
13 a lot of information about how the kidney operates; is
14 it getting good blood? Is it getting there on time? Is
15 it getting there at the same time that it does to the
16 other kidney? Is it getting filtered appropriately? Is
17 it washing through the cortical medullary junction at
18 the appropriate times? Is it being excreted in a timely
19 fashion into the collecting system?

20 I mean, all of those are bits of functional
21 information, so -- and then if you're trying to -- it
22 also can help you with anatomical information in terms
23 of giving you a differentiation of the density of the
24 kidney before and after you give a contrast.

25 Q. In the issues that we're talking about here,

1 differentiating a benign from potentially malignant
2 lesion in the kidney, what is the importance of pre- and
3 postcontrast study?

4 MR. FOGARTY: Objection.

5 A. Sometimes it's important and, unfortunately,
6 sometimes it's not helpful, and even more unfortunately,
7 sometimes it can misdirect you.

8 Q. Well, does a pre and post give you some
9 information about enhancement within the kidney?

10 A. It does.

11 Q. And is that an important thing to look for?

12 A. Can be.

13 Q. And is that something that, you know, in the
14 Bozniak article is discussed; do you recall that?

15 A. No. Not directly, The Bozniak classification
16 really gives you much -- is broken down into four
17 categories more on anatomic information than it is on
18 functional information.

19 Q. I'm talking about the portion of the text that
20 you read, do you recall any discussion about the value
21 of pre- and postcontrast study?

22 A. I don't recall.

23 Q. Do you recall a discussion about the
24 possibility of contrast obscuring calcification in a
25 cyst?

1 A. I don't recall that discussion.

2 Q. Okay. Is that something you're familiar with,
3 the possibility of contrast obscuring calcification in a
4 cyst?

5 A. Yes.

6 Q. Okay. Would that be one of the reasons to get
7 a precontrast study, so you don't obscure calcification
8 within a cyst?

9 A. It could be a reason, yes.

10 Q. In your teaching of residents -- well, let's
11 just make a hypothetical. If a resident came to you and
12 said, "We have a patient with a complex cyst in the
13 kidney and we want to have it evaluated by CT," the
14 resident asks "Should we get a pre- and postcontrast
15 study?" what would your answer be?

16 MR. FOGARTY: Objection.

17 A, I don't want to be repetitious, but we'd go
18 through that little discussion about issues related to
19 any risk in getting contrast.

20 Q. Right. And if that was not -- if there was no
21 medical contraindication?

22 A. Okay. And then I would want to take a look at
23 the ultrasound with them.

24 Q. And if there hadn't been an ultrasound?

25 A. But he's telling me that he has a complex

1 Q. You mean there can be less contrast as time
2 goes by? Th contrast is less effective for the CT --

3 A. No.

4 Q. -- from the time that it's given?

5 A. No.

6 Q. What's the timing difference?

7 A. How can I explain this?

8 If you were defending somebody or prosecuting
9 somebody who was involved in an automobile accident and
10 you had somebody that filmed that accident with a still
11 camera that took pictures at 20 frames per second, would
12 you have an easier time understanding what took place at
13 that accident than if somebody had been there with a
14 Brownie camera and took one single photograph of that
15 accident?

16 Q. I guess I'd have an easier time with the taking
17 serial pictures over --

18 A. Would you agree that there's more information
19 in the, you know, because you've got 400 pictures to
20 look at than just 1?

21 Q. I feel like I'm being deposed here.

22 A. I'm sorry, I'm just trying --

23 Q. It's all right. I agree with you. I was
24 talking about the timing in terms of the contrast.

25 A. I wish I -- I'm failing at my job of teaching

1 here, because I try and educate the residents how
2 important this is.

3 The contrast material appears in the kidneys
4 and in the body. It's a dynamic event. You inject this
5 contrast and it goes to the heart and then it goes
6 through the heart and to the kidneys, and then the
7 kidneys filter it and it's excreted, and ultimately it
8 ends up in the toilet bowl. Depending on the point in
9 time in which you get those images, there's different
10 information that you get, and it's different because the
11 contrast is in different locations at different times.

12 Q. And when is the optimum time to try and get
13 information about a cyst in the kidney?

14 A. Okay. I think optimally I'd like to be the guy
15 that's sitting there with the camera that's taking, you
16 know, 400 -- or, 40 frames per second. I think if money
17 was no object and I could afford to do whatever I wanted
18 to, I'd love to be in a situation, and technology would
19 permit me, I'd like to get an acquisition of an image in
20 those kidneys every second, because I think there would
21 be more information than if I got it every minute, which
22 is -- I mean, actually we get them faster than that now,
23 but it used to be.

24 Q. What about optimally in terms of the time
25 period from the administration of the contrast?

1 A Well, the most information you can get is
2 having an image before the contrast is given and then
3 getting a series of images obtained immediately after
4 the administration of the contrast, and then at some
5 point getting playback images

6 Q Okay do you do aspiration of cysts? kidney
7 cysts.

8 A. Do I personally do them?

9 Q. Yeah.

10 A. Percutaneously?

11 Q. Yes.

12 A. No.

13 Q. Is it done here at the hospital?

14 A. Yes.

15 Q. Who does it?

16 A. The radiologists do that.

17 Q. Is that something that you order, you order for
18 your patients occasionally?

19 A. Rarely.

20 Q. If a urologist is trying to determine if a
21 malignancy exists, is it appropriate to aspirate a
22 septated cyst?

23 MR. FOGARTY: Objection.

24 A. It is appropriate.

25 Q. Under -- why would you aspirate a septated

1 cyst?

2 A. You might do that to ascertain what the content
3 of the cyst fluid was.

4 Q. If it's a septated cyst, aren't you only going
5 to get the fluid from one of the septations?

6 A. If they're noncommunicating cysts, yes.

7 Q. And if they're noncommunicating, would you
8 still do an aspiration?

9 A. Would I personally?

10 Q. Yes.

11 MR. FOGARTY: Dennis, are you talking now or in
12 1988?

13 Q. Make a difference?

14 A. For me it probably would not make a difference
15 between now and 1988.

16 Q. Okay, then what's your answer?

17 A. Most likely would not.

18 Q. Why not?

19 A. When I practice with these kinds of situations,
20 what I tell patients is if we do an aspiration and we
21 get malignant cells, that that tells us something that's
22 very valuable and useful, and unless we get malignant
23 cells, unfortunately, it does not tell us that there
24 isn't cancer.

25 Q. All right. Now, you said you saw the -- well,

1 let me ask you this: How big was the cyst in
2 Mr. Pietrangelo in 1988? And feel free to look at your
3 records.

4 A. I would have to review them. If you --

5 Q. Go ahead.

6 A. If you have the report there and can share it
7 with me, it would save some time.

8 MR. FOGARTY: I have it.

9 Q. Which report do you want to look at, Doctor, to
10 answer that question? The CT scan or a report, or the
11 IVP report, or what?

12 THE WITNESS: Would you read back his question
13 to me?

14 (Question read.)

15 A. I'd want to read the results of the CT and the
16 ultrasound from 1988.

17 Q. Okay. Well, we've got in front of you the
18 results of the 1988 CT scan, which has been marked as
19 Deposition Exhibit C, I guess in Dr. Barnett's
20 deposition. Is it your understanding that an ultrasound
21 was also done --

22 A. No.

23 Q. -- in 1988?

24 A. No.

25 Q. Okay.

1 the accepted standards of medical treatment by
2 evaluating the patient and he performed follow up
3 studies which were not suggestive of renal malignancy."
4 I'm just reading from your report so we can ask some
5 questions here.

6 Now, by "evaluating the patient", what do you
7 mean by that?

8 A. History and physical examination, and
9 laboratory and x-ray studies.

10 Q. Okay. So he got a history, correct?

11 A. Yes.

12 Q. And what did that history involve?

13 A. Talking to the patient, asking questions and
14 learning about what had been done in terms of previous
15 urologic evaluations.

16 Q. And what you know about what he got out of the
17 history is obtained in the 11/14/91 note of
18 Dr. Siminovitch, correct?

19 A. Yes, and I believe I read his deposition as
20 well, and there may have been some amplification of that
21 in the deposition.

22 Q. All right. Did Dr. Siminovitch look at any of
23 the previous radiology studies that had been done?

24 A. My recollection is he did not.

25 Q. Okay. What's your practice?

1 A. My practice varies. Sometimes I will ask
2 patients to rescue those old images and bring them back
3 so that I can look at them, and in some cases I don't,
4 and it depends on circumstances.

5 Q. Did Dr. Siminovitch look at any of the
6 radiology reports?

7 A. I believe that he did.

8 Q. You believe that based on what?

9 A. My review.

10 Q. Of what?

11 A. The deposition and his notes.

12 Q. What about his notes leads you to believe that
13 he reviewed the radiology report?

14 A. He describes that he had an IVP, and had it
15 aspirated and it proved to be benign, and this was all
16 done at Hillcrest Hospital, and I made an assumption
17 that he most likely was reviewing a report in order to
18 get that information. Most of the time patients don't
19 give you that information themselves.

20 Q. Well, this would have been -- 11/14/91 would
21 have been the first time he saw this patient; is that
22 your understanding?

23 A. Yes. I believe that's correct.

24 Q. Okay. And he says he apparently was found to
25 have this two years ago, I guess referring to the

1 hematuria, and at that time had an IVP which apparently
2 showed a type of renal mass which sounds like a cyst.
3 **If** Dr. Siminovitch was looking at the IVP report, you
4 don't think he would say the IVP apparently showed some
5 type of renal mass which sounds like a cyst, do you?

6 MR. NORCHI: Objection. If you can answer, go
7 ahead.

8 A. I don't know the answer to that question.

9 Q. And he says apparently had it aspirated and it
10 proved to be benign, correct?

11 A. Correct. That's what it says.

12 Q. All right. So Dr. Siminovitch then advised the
13 urine cytology and another IVP; is that right?

14 A. Yes.

15 Q. And those were performed, correct?

16 A. Yes.

17 Q. And then he ordered -- and Mr. Pietrangelo
18 apparently followed Dr. Siminovitch's advice to have
19 these studies done.

20 A. Yes.

21 Q. And then had a -- Dr. Siminovitch ordered an
22 ultrasound or said he would obtain a diagnostic
23 ultrasound, right?

24 A. Yes.

25 Q. And Mr. Pietrangelo again followed

1 Q. Did you see any septations in that cyst at that
2 time?

3 A. My recollection is, is that I did, yes.

4 Q. You did see septations?

5 MR. NORCHI: Doctor, before you guess or
6 recollect, I mean, because I don't know if you looked at
7 them that long, you better look at them, this is
8 important.

9 THE WITNESS: Okay.

10 Q. We don't have a box in here, do we?

11 A. Got one next door, or I can look at the
12 ceiling.

13 MR. NORCHI: We'll try it here, and if we have
14 to move, we will.

15 Q. Whatever you're comfortable with, Doctor. Just
16 tell us what you're looking at there.

17 A. Okay. Now, this would be Plaintiff's Exhibit
18 No. 1. Is that what that is?

19 MR. NORCHI: Charms, yes.

20 THE WITNESS: Charms?

21 MR. NORCHI: One - Charms.

22 A. And this would be the aspiration that was done,
23 and this would not meet the criteria for a simple cyst
24 based on -- I would not say that I see septations, but
25 it appears that there's some calcification present

1 'within the cyst.

2 Q. Where within the cyst is the calcification?

3 A. It's in this particular panel, right here,
4 which is Scan No. 5. And it's right there, a couple
5 little dots there. These are reproductions.

6 Q. Is it in the --

7 A. There's also a calcification here in the image
8 where the needle is actually seen to be penetrating into
9 the cyst.

10 MR. SIGMIER: Which one is that?

11 A. Boy, that's a soft call, but there might be a
12 septation in the scan No. 2. Might be a septation
13 there. Okay, that's a soft call.

14 Q. What do you mean by a "soft call"?

15 A. Well, I think there are some septations that I
16 would give a hundred percent agreement on and there's
17 others that I wouldn't.

18 Q. So there might be a septation there and there
19 might not.

20 A. That's fair, yes. Yeah.

21 Q. Now, I'm sorry, while we're on that, can you
22 tell me where within the cyst the calcifications are?
23 Is it in the wall? Is it -- and this is actually I
24 think, I've been told --

25 A. A better --

1 Q. -- that it's a better quality, which is No. 2 -
2 Luria.

3 A. Yeah, I agree. I think it is, too.

4 (Discussion held off the record.)

5 A. Boy, it's tough to call. Now, there is a --
6 they've changed the -- they've changed their settings
7 here to try and emphasize calcification, and I'm not
8 sure that's what they were doing, but you see here's the
9 calcification, and in terms of saying whether that's in
10 the cyst or the cyst wall, boy, that's tough. That's
11 very difficult.

12 I think clearly on this image though, which is
13 the one I told you about, Image 5, that they appear to
14 be within the cyst itself. And that one image where I
15 thought I saw a septation is not well represented on
16 this. No, here it is right here, it's just a little
17 question of right along the -- that's Scan 2, again.

18 Q. Right. Right.

19 A. Okay.

20 Q. Now, is that significant, where the
21 calcification is within the cyst?

22 A. Well, some people would argue that it is, and
23 in my -- some people would argue that that is of
24 significance.

25 Q. How about you?

1 A. I don't really place a tremendous amount of
2 emphasis on the calcification issues because,
3 unfortunately, calcification in the rim of cysts has
4 been shown to be associated with both benign and
5 malignant lesions, and we're insufficiently precise with
6 radiologic studies to be able to make that -- I mean,
7 we're just never a hundred percent right.

8 Q. But if it's not in the rim of the cyst, if it's
9 actually in the cyst, as you were indicating some of
10 these images seem to indicate, that's a little more
11 worrisome, isn't it?

12 A. I think it would have been if the density of
13 that thing hadn't been so low on CT and, you know, that
14 sort of, again, was another thing that pushed me in my
15 direction and my thinking when I reviewed this, the
16 Hounsfield units on that were 24 when they initially put
17 him through the CT, and that had been done, I believe,
18 on the, I think the same day that he had an IVP and
19 there may have been, you know, some, you know, it may
20 not have been a perfect -- in any case, putting together
21 a number of 24, seeing something that looks very round
22 **like** that, has a uniform low density on CT.

23 Q. Well, when you say "low density," 24 is not --
24 that's not zero.

25 A. I agree, it's not zero.

1 Q. And pure cyst fluid would be around zero,
2 wouldn't it?

3 A. If it was pure cyst fluid and you had your
4 cursor right in the middle of the lesion and the patient
5 wasn't breathing and holding still and hadn't had
6 contrast, yeah, you're right, it should be close to
7 zero; if everything's perfect.

8 Q. And I guess the reason that, you know, you
9 might be concerned about calcifications not within the
10 rim of the cyst, but within the, I don't know if the
11 center or the interior of the cyst, is that you're
12 concerned that something must be holding those cysts up
13 in that position in the -- or, that calcification in
14 that position in the cyst; is that what the concern is?

15 A. No. I don't think so.

16 Q. What is the concern?

17 A. I think just if you look purely at numbers in
18 terms of lesions in the kidney, if you look at ones that
19 have calcium in them versus don't, the ones that have
20 calcium, out of a thousand, more of them are going to
21 have cancer than the ones that don't have cancer. So
22 that's the concern.

23 Q. Okay. I was talking about the location.

24 A. I don't really ascribe a huge importance to
25 that, and in particular in this case I don't.

1 Q. In terms of Mr. Pietrangelo, in terms of making
2 a determination of whether this is more likely benign or
3 malignant, does the fact that it may have changed from
4 nonseptated to a septated cyst, is that something that
5 the urologist has to be cognizant of?

6 . A. Well, I think you're cognizant of any changes
7 that go on. I think more importantly here is that
8 there's no solid lesion in this kidney by
9 ultrasonography, and over a period of observation here
10 of three years, and admittedly we're quibbling a little
11 bit about what the exact size of this thing is, but by
12 and large I think it's fair to say that it's not
13 changing a lot in terms of its size. So I think, you
14 know, those are important considerations,

15 Q. Had it gotten bigger from '88 to '91?

16 A. Well, if we assume that it's somewhere between
17 4 and 6-1/2, were those the numbers that we used --

18 Q. Yes.

19 A. -- from our previous --

20 MR. NORCHI: Doctor, this is the ultrasound
21 report from '92, I don't know if that is additional
22 information, but it had IVP and ultrasound and CT scan.

23 Q. Doctor --

24 MR. NORCHI: I don't mean to interrupt your
25 questioning, by the way.

1 Q. Just answer my question.

2 MR. NORCHI: That's fair.

3 MR. LANSLOWNE: This is '92, and we haven't
4 gotten to '92 yet. So can we go in --

5 MR. NORCHI: Order.

6 THE WITNESS: Order.

7 MR. LANSLOWNE: -- order, yes. Because he read
8 the whole chart he knows the deal here.

9 Q. (By Mr. Lansdowne) Right?

10 THE WITNESS: Can I have his question repeated
11 back to me?

12 Q. I'll just give you another question. Did the
13 cyst grow from '88 to '92?

14 MR. FOGARTY: To '92?

15 MR. LANSLOWNE: '91.

16 A. 12/2/91, almost '92.

17 It remained -- did the kidney grow?

18 MR. NORCHI: No, the cyst.

19 A. The cyst.

20 Doesn't appear that it did.

21 Q. Okay. The IVP report says that it's
22 somewhat -- that the cyst is somewhat larger.

23 A. Small.

24 Q. I'm sorry?

25 A. The IVP report?

1 Q. Yes.

2 A. I thc ght the IVP report was he one that had
3 the low number, 4 to 5 centimeters.

4 MR. NORCHI: You said "larger."

5 Q. Let me --

6 A. The IVP had the lower number, the CT had the
7 higher number, 6.5.

8 Q. No, I'm talking about the IVP that was done in
9 '91. 11/18/91.

10 A. I'll have to see that report.

11 Q. The report.

12 A. I'll have to see that report, I'm sorry.

13 Q. This is the IVP report of 11/18/91, correct?

14 A. This is the one that Dr. Siminovitch did.

15 Q. Yes.

16 A, Siminovitch.

17 Q. Well, he --

18 A. He ordered it.

19 Q. -- ordered it.

20 A. He ordered it, thank you.

21 Okay.

22 Q. All right. Now, this notes that in comparing
23 to it to the prior IVP, a mass was present at that time
24 but it is now somewhat larger. That's what that report
25 says, correct?

1 A. Correct. That's what it says.

2 Q. And you haven't seen any of the IVP films, have
3 you?

4 A. I have not seen the IVP.

5 Q. All right.

6 A. I would point out to you that size of lesions
7 using urography is subject to a lot of variation
8 depending upon patient positioning on the table and how
9 far the films are and so forth and so on. It's the, you
10 know, the whole thing of the eclipse of the moon and the
11 little dot on earth versus the big one shadowing it.

12 Q. So CTs a little -- because can you actually
13 measure the lesion itself.

14 A. CT I think is, yes, is a more -- again, we
15 talked about a perfect study, patient holding still, no
16 motion, et cetera, et cetera, et cetera, but I think if
17 I had to rely on a size measurement, I would put more
18 faith in a CT, or an ultrasound, than I would an IVP.

19 Q. Okay. Now, I see that this -- the films that
20 we've been looking at all contain a description and then
21 an impression. Is that pretty much what you see
22 generally with radiology reports?

23 A. It is.

24 Q. Okay. And is the impression the diagnosis of
25 the radiologist?

1 MR. FOGARTY: Objection.

2 A. It's their impression.

3 Q. What does that mean, "their impression"?

4 MR. FOGARTY: Objection.

5 A. It's a -- radiologists seldom -- a radiologist
6 interprets a film, and an interpretation may be
7 suggestive of a disease process, but it does not
8 diagnose a disease process.

9 Q. Okay. The impression is the interpretation?

10 A. Yes.

11 MR. FOGARTY: Objection.

12 Q. Okay. Now, the ultrasound -- well, we're
13 dealing clearly with a complex cyst in 1991, correct?

14 A. Yes.

15 Q. All right. And it's been demonstrated as a
16 complex cyst by both CT and ultrasound by 1991, correct?

17 A. In my opinion, yes.

18 Q. All right. Would you agree that with respect
19 to kidney cysts, any lesion that on ultrasound is not
20 clearly a simple cyst must be studied further by CT
21 scan?

22 A. I would not agree with that.

23 Q. Okay. Why not? Have you ever read that
24 before, that statement?

25 A. I may have. And I wouldn't argue that it

1 exists, in fact, it probably exists in a lot of places,
2 but I don't agree with it.

3 Q. Okay. Is there any literature that you're
4 aware of that disagrees with that?

5 A. My own experience disagrees with that.

6 Q. Is there any literature, medical literature
7 that you're aware of, that would disagree with that
8 statement?

9 A. I would imagine there is.

10 Q. You're not aware of any as you sit here today?

11 A. Oh, I'm aware of a New England Journal article
12 on the approach to the renal mass written by Ralph
13 Clayman that said CT was of no value whatsoever in
14 working up renal masses. I don't happen to agree with
15 that statement either.

16 Q. Right.

17 A. But I can find you literature that will support
18 that, yes.

19 Q. So you're saying -- you don't know any as
20 you're sitting here today that would specifically
21 disagree with that statement, you're saying that you
22 think you might be able to find something; is that
23 right?

24 A. Well, yes, I think I can.

25 Q. Okay. Where would you look?

1 A. In the library.

2 Q. Where?

3 A. In the urology journals, in the radiology
4 journals, in the urology textbooks and the radiology
5 textbooks.

6 Q. Okay. So that's something that you plan on
7 doing between now and your testimony?

8 A. No.

9 Q. Okay. So you're not going to have any of that
10 literature when you come to Cleveland; is that right?
11 You're not going to have looked at any of that
12 literature, right?

13 A. I might.

14 Q. Okay. So if you do that, would you tell
15 Mr. Norchi what that literature is?

16 A. That's fair.

17 Q. Okay. Why do you -- excuse me.

18 Why do you disagree with that statement that
19 any lesion that on ultrasound is not clearly a simple
20 cyst must be studied further by CT scan?

21 A. Because there are many lesions that on
22 ultrasound are not simple cysts that are benign that, if
23 left alone, will never cause a problem for a patient.

24 Q. Is that it? Is there any other basis for your
25 disagreement with that statement?

1 A. That's all that comes to mind at the moment.

2 Q. Okay. Do you think you'd have ordered a CT
3 scan in 1991 after this ultrasound?

4 MR. NORCHI: Objection.

5 Q. Recognizing that you had a complex cyst with
6 septations and recognizing that the radiologist had
7 recommended a CT scan.

8 A. I would have, in my own mind, given him a
9 Bozniak 2 classification of which my practice and
10 recollection is that those people can be followed with
11 repeat examinations over certain intervals of time, and
12 I probably would not have done a CT scan. I probably
13 would have had him come back at some interval of time
14 that he and I could agree on to repeat this particular
15 examination (indicating).

16 Q. Okay. And the Bozniak 2 would be based upon
17 what?

18 A. Oh, that's a -- it doesn't meet those criteria
19 for a simple cyst, but it doesn't have any of the solid
20 components that raise concerns or worries about a
21 cancer. It can or it cannot have calcifications and/or
22 septations, and it can also, gee, if I recollect
23 correctly, it may have some minimal enhancement if you
24 do do a CT scan. And generally those are -- I would say
25 I most always follow those with repeat exams.

1 Q. Okay. So despite the radiologist's
2 recommendation -- well, let me ask you this: Would you
3 have looked at the ultrasound yourself?

4 A. If it had been done in my hospital, I probably
5 would have looked-at it.

6 Q. If you didn't look at the ultrasound and the
7 radiologist -- all you had to go on was the
8 radiologist's report and the radiologist recommended a
9 CT scan, you still wouldn't get it?

10 A. No. I wouldn't.

11 Q. Okay. Would you call up the radiologist and
12 talk to him?

13 A. No, that's one thing that I -- I do do it, but
14 it's pretty rare that I call somebody.

15 Q. If a CT had been done in 1991 following this
16 ultrasound, what would it have shown?

17 MR. NORCHI: Objection. You can take a shot at
18 it.

19 A. Don't have an opinion.

20 Q. Okay. Well, it certainly would have shown
21 calcifications, right?

22 A. May not have.

23 MR. NORCHI: Objection.

24 Q. Why would it not have if they were present in
25 '88?

1 A. It's possible that they may have gained access
2 to the collecting system and were passed spontaneously.

3 Q. It would have certainly -- a CT scan would have
4 certainly shown septations, correct?

5 A. If you believe the ultrasound, I would agree
6 with you, it -- I think it would show those septations.

7 Q. And density, do you know what it would have
8 shown about density?

9 A. I don't have an opinion.

10 Q. And what's your experience as far as
11 calcifications? How often is it that calcifications are
12 seen and then somehow leave the system?

13 A, It's probably more frequent that your slice
14 interval through the kidneys on CT is such that you just
15 don't catch it, but so I think passing it would be rare,
16 but it can happen.

17 Q. Okay. If the calcifications that are seen on
18 the 10/11/88 film are, in fact, in the wall, would that
19 mean that the wall was a thickened wall of the cyst?

20 MR. FOGARTY: Objection.

21 A. Not necessarily.

22 Q. Okay. Why do you say that?

23 A. Because a calcification in a wall of a cyst is
24 not 100 percent associated with a thickened wall of a
25 cyst.

1 Q. I'm saying that on these calcifications that
2 you see on 10/11/88, or on the 10/11/88 film, if those
3 in fact are contained within the wall of the cyst, would
4 that mean that the wall is thickened?

5 MR. FOGARTY: Objection.

6 A. I don't think so.

7 Q. Well, clearly they're not on the rim. The
8 calcifications are not on the rim of the cyst, correct?
9 **So** what I'm saying is, if we're seeing them and they are
10 contained in the wall, that wall must be somewhat
11 thickened.

12 MR. FOGARTY: Objection.

13 Q. Do you follow my question?

14 A. I think I see what you're getting at, and if
15 what you're asking me to assume is, is that the inner
16 lining of the cyst and the outer capsule of the cyst are
17 on either side of the calcification, then the wall must
18 be thickened.

19 Q. Right.

20 A. And the answer to that question would then be
21 how thick is a thick wall, and how big is the
22 calcification? And these are very small, minute
23 calcifications, and I'm not sure, based on my
24 interpretation, that I would say with certainty that
25 they're in the wall.

1 that, no.

2 Q. Okay. It's certainly not in the report of the
3 10/11/88 study, the radiology report, correct?

4 A. Correct.

5 Q. All right. Now, in fact, Dr. Siminovitch
6 apparently told the patient to come back for another
7 study in 1991, correct?

8 A. I believe it would have been '92, because the
9 study was 12/2/91 and he had proposed doing it some
10 months later, so that would have been in '92, I think.

11 Q. Well, he had a cysto done in '91 after this --

12 A. Yeah.

13 Q. -- ultrasound, correct?

14 A. Oh, you were referring to having to come back
15 to have the conversation for the cystoscopy.

16 Q. Just going in order.

17 A. I'm sorry, I thought you were talking about the
18 radiologic study.

19 Q. No. He was told to come back and have a cysto,
20 and he did, in fact, come back and have a cysto,
21 correct?

22 A. You are, in fact, correct.

23 Q. And then the note of 12/17/91 showed that --
24 indicates that: The cysto showed no evidence of any
25 abnormality to explain the microhematuria. I will see

1 him back in six months and reevaluate him at that time.

2 Correct?

3 A. Correct.

4 Q. Okay. And Mr. Pietrangelo did come back in
5 1992 and was advised at that time to have a repeat
6 ultrasound, correct?

7 A. Yes.

8 Q. And he did have a repeat ultrasound, correct?

9 A. Correct.

10 Q. And you have not seen that ultrasound film
11 either, right?

12 A. Correct.

13 Q. But you have seen the report of that film,
14 right?

15 A. Yes, I have.

16 Q. Okay. And again, if this --

17 MR. NORCHI: I'm trying to show it to you, but
18 it's not a question, go ahead. I'm sorry.

19 MR. LANSLOWNE: We're in '92 now. We're at
20 that point, go ahead and show it to him.

21 Q. They're very anxious that you see this, Doctor.
22 This would be Exhibit E.

23 A. Correct.

24 Q. Okay. Now, again, based upon what we've talked
25 about before, if this ultrasound -- if you were

1 following this patient and an ultrasound had been done
2 at your hospital, you would have gone and seen the film
3 yourself, right?

4 MR. FOGARTY: Objection.

5 A. I said "probably."

6 Q. Okay. Probably in accordance with your usual
7 practice, right?

8 A. No, I don't think I said that.

9 Q. I'm asking.

10 A. You're asking, okay.

11 My review of films on patients, actually we did
12 talk about this, didn't we?

13 Q. Yes, that's why I was trying to -- I thought I
14 understood it to be your practice to look at films like
15 this when they're done in your hospital.

16 MR. NORCHI: That's not what he said, but go
17 ahead, if you want to clarify it on the record, you can.

18 Q. If I've got that wrong, please correct it.

19 A. I think it is important.

20 Q. Okay.

21 A. Because I think there are times when I do, and
22 there are times when I don't.

23 Q. Okay. And I was asking about with respect to
24 this patient, **Mr.** Pietrangelo, if this was your patient,
25 you were following him, would you likely have reviewed

1 this film? And you said with respect to the '91 film,
2 if it was done in your hospital, you probably would have
3 reviewed it.

4 A. Thank you, I think that is what I said.

5 Q. Okay. Now, with respect to this '92
6 ultrasound, if it was done in your hospital, would you
7 have likely reviewed that?

8 A. Most likely not.

9 Q. And why not?

10 A. Because then at that situation -- hopefully,
11 the radiologist who did this second ultrasound would
12 procure the one that had been done earlier and would
13 very carefully go through and compare the two of them.

14 Q. Okay.

15 A. And as long as I have assurances that in my
16 hospital that's being done, then I'm less likely to go
17 look at a repeat study than I am an initial one.

18 Q. Fair enough.

19 And what did the ultrasound report say about
20 the -- what did the measurements show about the size of
21 the cyst from comparing it to the previous film study?

22 A. Well, Dr. Gaglione measures it as being, I
23 believe, an aggregate slightly larger than Dr. Kyung in
24 terms of the cyst. The sides of the kidney, she now
25 measures it as being quite different in terms of its

1 width, some 4 centimeters less in width than Dr. Kyung.

2 Q. I'm sorry, you said it's 4 centimeters less in
3 width?

4 A. She says the right kidney itself measures 12.2
5 by 5.9, and Dr. Kyung said it measured 12.7 by 9, so --
6 I'm sorry, 3 centimeters. I can't add very well.
7 Sorry.

8 Q. Okay.

9 A. She notes also that there is no solid mass in
10 the kidney, either the right or the left. And then when
11 she compares the study to the previous study that was
12 done in December of '91, states in the body of the
13 interpretation that the overall appearance is not
14 significantly changed when compared to the prior study.

15 Q. Okay. So the measurements are somewhat
16 different of the cyst itself, correct?

17 A. Yes. She measures a slight difference in the
18 size of the cyst.

19 Q. Okay. So had it grown?

20 A. I would read and interpret this as not
21 demonstrating a change in size. I think these
22 measurements, if you had three or four different
23 radiologists walk into the room and ask you to give them
24 those three measurements, you could expect to see this
25 degree of variation. So I would not attribute this to

1 a .. I would say it's unchanged in size. That would be
2 my interpretation.

3 Q. Even though the numbers say it's bigger, you'd
4 say it really hasn't changed.

5 MR. FOGARTY: Objection.

6 MR. NORCHI: Objection.

7 Q. I mean, because there's no arguing that the
8 numbers do indicate, if you just went by the numbers,
9 the numbers would indicate that the ultrasound that
10 shows that cyst is somewhat larger, correct?

11 A. That is correct.

12 Q. Okay. And we have no CT scan during this
13 period of time -- well, strike that.

14 Mr. Pietrangelo then calls in for the results
15 of the ultrasound and his other studies, correct?
16 That's what the notes would indicate?

17 A. Yes.

18 Q. And Dr. Siminovitch writes: I reassured him
19 that his ultrasound had been unchanged. Correct?

20 A. Correct.

21 Q. Now, I guess technically that's not really
22 true, is it?

23 MR. FOGARTY: Objection.

24 MR. NORCHI: Objection.

25 A. No, I think technically it's quite true. I

agree with Dr. Siminovitch.

Q. Didn't we just say that the numbers for the size of the cyst would indicate that the cyst is larger on 8/7/92 than it was in '91?

MR. FOGARTY: Objection.

MR. NORCHI: Objection.

MR. FOGARTY: Objection. Objection.
Objection.

A. We agree for purposes of discussion that the
1 numbers were different, and I say to you that that's
1 fair, the numbers are different.

1 Q. Okay.

1 A. What I told you earlier is that I would
1 interpret that as being no change.

1 (Recess taken.)

1 Q. What's your procedure here in your offices as
1 ar as following up with a patient who doesn't come back
1 or an appointment?

1 A. We do not employ any calls or letters or things
2 o remind people to keep appointments or that they
23 issued an appointment.

22 Q. None whatsoever?

23 A. I think the only time that I've ever done that
24 is there's a situation with young boys with testicular
25 cancer who choose what's called an observation as

1 that cat scan agrees with the report.

2 Q. Well, your report doesn't say anything about
3 whether or not there's kidney cancer in the -- or,
4 there's cancer in the kidney in '91 or '92, does it?

5 A. The report does not say anything about that,
6 that's correct.

7 Q. Okay. So that's -- when did you come to that
8 opinion?

9 A. When I reviewed the materials initially before
10 I wrote the letter back.

11 Q. Okay. Well, why didn't you include that in
12 your report?

13 A. I don't know that I have an answer for that
14 question.

15 Q. Okay.

16 (EXHIBIT MARKED FOR IDENTIFICATION.)

17 Q. How long have you been familiar with the
18 Bozniak categories?

19 A. Define "familiar" for me.

20 Q. How long have you ever known anything about the
21 Bozniak categories?

22 A. Probably the first time that I heard about them
23 was when I was in Washington -- when I was in St. Louis
24 at Washington University School of Medicine and Bruce
25 McClennan, who is one of the people that was there in

1 I had submitted and he told me I was full of oats that
2 had already been through a horse. So I do remember
3 that.

4 Q. That would be something you'd remember, I
5 guess.

6 A. Uh-huh, yeah. That was in 1984, I believe. So
7 I've been familiar with Dr. Bozniak for a while.

8 Q. Okay. Well, in terms of the classifications
9 for kidney cysts, do you recall when you first began
10 using them?

11 A. I think it would have been in 1991. Right
12 around there, '90/'91.

13 Q. All right. Take a look **at** this, Doctor. This
14 is your Deposition Exhibit No. 2, it's a letter to James
15 Casey dated August 20th, 1999 from a Dr. Green.

16 MR. NORCHI: I would object to the use, you can
17 ask questions, but I understood that Mr. Casey withdrew
18 Dr. Green and won't permit any of us to cross-examine
19 him and he won't be testifying at trial, so I object to
20 the whole exercise.

21 MR. POLING: Note an objection on my behalf as
22 well.

23 MR. SIGMIER: I'll join in that.

24 MR. FOGARTY: Why not?

25 MR. SIGMIER: Objection.

1 MR. NORCHI: We have a quorum.

2 MR. LANSLOWNE: We got the grand slam? If I
3 don't get one of those at deposition, I know I'm not
4 doing my job.

5 Q. (By Mr. Lansdowne) Do you know Dr. Green?

6 A. I do not.

7 Q. Okay. Apparently, he's the Assistant Medical
8 Director of Oncology/Hematology, Lake/University Ireland
9 Cancer Center. He wrote an opinion letter in this case
10 in which he stated that -- states that most probably
11 carcinoma was present within the kidney at that time,
12 referring to '88 and '92. And you would disagree with
13 that?

14 MR. FOGARTY: Objection.

15 MR. POLING: Objection.

16 A. I would disagree with that, yes.

17 Q. He also says that "...the patient presented
18 with a pathological fracture secondary to metastatic
19 renal cell carcinoma and succumbed to his disease, after
20 failing chemotherapy." With respect to that, you're
21 just not sure whether or not he did have metastatic
22 renal cell carcinoma, correct?

23 MR. POLING: Objection.

24 MR. FOGARTY: Objection.

25 MR. NORCHI: Go ahead if you can.

1 A. Correct.

2 (EXHIBIT MARKED FOR IDENTIFICATION.)

3 Q. This is Exhibit 3, it is a letter dated
4 July 12th, 1999 from a Dr. Hamor, or Hamor, to Dennis R.
5 Fogarty, and have you seen this before?

6 MR. FOGARTY: Just show an objection that,
7 Dennis, I told you I was going to withdraw this witness.
8 This Dr. Hamor won't be testifying at trial, won't be
9 called by me anyway, but continuing line of objection.

10 MR. NORCHI: I'll join in the objection, but go
11 ahead.

12 MR. SIGMIER: Likewise.

13 MR. POLING: Same objection.

14 Q. Let me know when you've had a chance to finish
15 reviewing that, Doctor.

16 A. Okay. I've read this.

17 Q. Let me ask you about some statements that are
18 made here. He says in the second paragraph, second
19 sentence, that "We believe the workup in 1988 outlined
20 above, including cyst aspiration which would not
21 ordinarily be done today, meets the standard of care."

22 With respect to the statement that cyst
23 aspiration would not ordinarily be done today, do you
24 know what Dr. Hamor's referring to?

25 MR. POLING: Objection.

1 A. I don't.

2 Q. The next sentence says, "It was generally known
3 at that time that a negative cyst aspiration does not
4 entirely exclude neoplasm." Would you agree with that
5 statement?

6 MR. POLING: Objection.

7 MR. NORCHI: You're talking relative to 1988?

8 MR. LANSLOWNE: Right, it was generally known
9 in 1988.

10 MR. NORCHI: I would note an objection.

11 Q. That a negative cyst aspiration does not
12 entirely exclude neoplasm; do you agree with that?

13 A. I do.

1 Q. And he goes on in the next sentence to say,
1 'This, in addition to CT scans which are not clearly a
1 simple cyst, including mildly high density number
1 machine dependent) and 'fairly sharp border,! should
18 necessitate a heightened vigilance and follow-up." Do
19 you agree with that sentence?

20 MR. POLING: Objection.

21 MR. NORCHI: Objection.

22 A. Well, first of all, he needs to go back to
23 college and take rhetoric.

24 Q. We'll pass that on to him.

25 A. You can You can give him my card.

1 And secondly, I don't know what "heightened
2 vigilance" means, so I don't have an opinion about that.

3 Q. Okay. "Subsequent follow and work-up in 1991,
4 from the information provided, would seem incomplete."

5 MR. NORCHI: Objection.

6 MR. POLING: Objection.

7 MR. NORCHI: There's a question coming.

8 A, Disagree.

9 Q. Disagree with that.

10 MR. FOGARTY: Let me just note my objection and
11 also that the letter doesn't indicate what was provided
12 to the doctor.

13 MR. LANSDOWNE: Okay.

14 Q. And your disagreement would be based upon the
15 testimony you've already given me in this case --

16 MR. SIGMIER: Objection.

17 Q. -- this afternoon, correct?

18 A, Yes, sir. Correct.

19 Q. Let me ask you, are you familiar with this text
20 that's in **your** library here, this Clinical Urography,
21 this is Volume 11, Saunders?

22 A. Yeah, I'm familiar with it.

23 Q. You testified in relation to tumor or
24 calcification, and I want to ask you if you agree with
25 these statements from this text.

Calcification in a renal mass on urography always raises the suspicion of malignancy; do you agree with that?

A. I'm sorry, would you repeat the statement, please?

Q. Yes. Calcification in a renal mass on urography always raises the suspicion of malignancy.

A. Yes, I'll agree with that.

Q. Would you agree that location of calcification in a mass is a helpful diagnostic point?

A. I would disagree.

Q. Central calcification in a mass on urography strongly suggests malignancy regardless of pattern of calcification; agree?

A. Disagree.

Q. What does "peripheral calcification" mean?

A. Calcification in the periphery.

Q. Meaning at the borders or edges of the mass?

A. I think that's fair, yes.

Q. CT evaluation of a renal mass should begin with nonenhanced scans; do you agree with that?

MR. NORCHI: Objection. Asked and answered. Go ahead.

A. Out of context, I'll have to disagree with that.

1 Q. What do you mean, "out of context"?

2 MR. FOGARTY: Objection. Asked and answered.

3 A. You're reading to me statements, declarative
4 statements that are from a textbook, and I don't know
5 which chapter they're in, I don't know who wrote them, I
6 don't know what they're referring to, and so without
7 studying what it is that you're presenting to me, then I
8 have to say that out of context I disagree.

9 Q. Okay. Unenhanced scans help determine whether
10 a renal mass enhances after contrast material
11 administration and facilitate distinction between
12 hemorrhagic cysts and carcinomas; do you agree with that
13 one?

14 MR. FOGARTY: Objection.

15 MR. POLING: Objection.

16 A. I'm going to disagree, and I'm going to specify
17 that my disagreement relates primarily to the latter
18 half of the declarative statement.

19 Q. The latter half being what, that they
20 facilitate distinction between hemorrhagic cysts and
21 carcinomas?

22 A. Correct.

23 Q. Okay. Renal cell carcinomas usually show
24 enhancement following intravenous administration of
25 contrast material, but the increase in attenuation value

1 is always less than that of surrounding normal
2 parenchyma.

3 MR. POLING: Objection.

4 MR. FOGARTY: Objection.

5 Q. Agree or disagree with that?

6 MR. FOGARTY: Objection.

7 MR. POLING: Objection.

8 A. Disagree.

9 Q. Why?

10 A. Out of context.

11 MR. POLING: Note an objection to this entire
12 line of questioning.

13 Q. Doctor, I'm just about finished here, but I do
14 need to look at my notes.

15 I've seen the term "hyperdense" in describing a
16 cyst. Are you familiar with that use of that term?

17 A. I've heard it, yes.

18 Q. Okay. Is that something that you use? Do you
19 use that term, "hyperdense"?

20 A. Yes.

21 Q. What do you mean by it when you describe a cyst
22 as hyperdense?

23 A. It's a lesion that proves to be pathologically
24 a cyst, meaning no cancer or other problems, that gives
25 you a higher than expected Hounsfield reading on CT.

1 Q. What about a "hyperdense mass"?

2 A. I'm not familiar with that term.

3 Q. Was this a hyperdense -- was Mr. Pietrangelo's
4 cyst in his right kidney hyperdense at any point?

5 A. In my opinion, given a number of 24, I would
6 say that that would probably -- most people would call
7 that hyperdense.

8 Q. Okay.

9 A. The issue there was that he had had some
10 contrast administered earlier in the day.

11 Q. Did you review the pathology reports of the
12 pathologist that was done at University Hospitals?

13 A. I believe that I did.

14 Q. I think there were some femur biopsies; do you
15 recall that?

16 A. I think there was just some material that was
17 submitted when he had a fracture fixed, I'm not sure
18 that they were actual biopsies.

19 (EXHIBIT MARKED FOR IDENTIFICATION.)

20 Q. This is No. 4 to your deposition, Doctor, it's
21 a surgical pathology report, date of procedure 2/3/95.

22 A. Yes.

23 Q. And what does that report, Doctor?

24 A. On material that was taken from his femur at
25 the time that he had his pathologic fracture fixed.

1 Q. Is this what you were referring to before that
2 you'd had an opportunity to review?

3 A. Uh-huh.

4 Q. And go down the first page, I've stapled these
5 together but they are different dates so we're going to
6 have to identify each, but the Clinical Diagnosis and
7 History on the first page of this report is: Question -
8 metastatic renal cancer. Right?

9 A. Uh-huh.

10 MR. NORCHI: You have to answer audibly,
11 Doctor.

12 A. Yes, Sorry.

13 Q. Second page, this is a cytopathology report,
14 right?

15 A. Correct.

16 Q. From a fine needle aspiration of the bone.

17 A. Correct.

18 Q. And I guess here it wasn't -- it was an
19 unsatisfactory specimen as far as the cytology?

20 A. The specimen did not contain any malignant
21 cells.

22 Q. Okay. Again, Page 2 of that report we were
23 just looking at, the Clinical History and Diagnosis is:
24 Right femur mass - question - metastases - renal cell
25 carcinoma. Correct?

1 A. I lost you now, I'm sorry.

2 Q. I was just looking at this Clinical History and
3 Diagnosis that was up here. Just going page by page.

4 A. Yes. Okay.

5 Q. And then the next we have a surgical pathology
6 report of a procedure 2/6/95. Did you review this
7 report before?

8 A. I did, yes.

9 Q. Okay. And it indicates in here that -- it goes
10 through some findings and indicates "That these findings
11 strongly support a metastatic lesion arising from the
12 kidney"; do you see that?

13 A. Yes.

14 Q. All right. Do you have any reason to doubt
15 that the pathologist did an appropriate pathology study
16 at University Hospital?

17 A. No.

18 Q. Do you have any reason to disagree with the
19 statement that these findings strongly support a
20 metastatic lesion arising from the kidney?

21 A. Only that they also support the possibility
22 that there was a lung primary as well.

23 Q. That's what he's -- the pathologist, she is
24 commenting upon, isn't she, the differential diagnosis
25 being metastatic lesion from adenocarcinoma of lung or

1 metastatic lesion from the renal cell primary; right?

2 A. Right.

3 Q. And her statement is, after she describes the
4 stain being negative for mucin, and the
5 immunohistochemical studies she says, "These findings
6 strongly support a metastatic lesion arising from the
7 kidney."

8 A. In her opinion.

9 Q. Right. Well, do you have a basis to disagree
10 with that opinion?

11 A. I do.

12 Q. You think that the pathologic studies support
13 something else?

14 A. I think they're consistent with a possible lung
15 primary as well.

16 Q. Wouldn't you expect to see mucin on a stain
17 from a lung adenocarcinoma?

18 A. You can see virtually anything you want on
19 histochemical stains on lung cancers or the absence of
20 them, depending on their differentiation.

21 Q. All right. It indicates -- the next sentence
22 is, "...clinical correlation is needed." What does that
23 mean when a pathologist says "clinical correlation is
24 needed"?

25 A. That there's additional information known to

1 the individuals who are caring for the patient that may
2 be of some importance or help in terms of trying to sort
3 out the problem.

4 Q. And that would apparently be referring to the
5 treating physicians at University Hospitals.

6 A. Any treating physicians.

7 Q. And the treating physicians at University
8 Hospitals, as we discussed, after this surgical
9 pathology report indicated that they were dealing with a
10 renal cell carcinoma, correct?

11 MR. FOGARTY: Objection.

12 A. Their opinion.

13 Q. Is renal cell carcinoma slow growing?

14 A. It can be.

15 Q. You looked at a '95 cat scan, correct, today,
16 and you looked at the report before that, right?

17 A. Correct.

18 Q. Now, again, your report does not say anything
19 about any interpretation of this '95 cat scan by you,
20 does it?

21 A. It does not,

22 Q. Okay. And I guess that's because you weren't
23 provided the -- well, I don't know. Strike that.

24 Is there something significant about this '95
25 cat scan that you intend to testify about?

1 MR. NORCHI: The scan or the report of the
2 findings, or is there a distinction between the two?

3 MR. LANSLOWNE: Either-or, because neither of
4 them is in your report. So --

5 MR. NORCHI: Sure, it is.

6 A. I reviewed the report and I think that the
7 report and its contents certainly will be brought up at
8 trial.

9 Q. And what is it that you find significant about
10 the report?

11 A. May I trouble you for a copy of the report?

12 Q. Certainly. I think you have one right there,
13 but...

14 MR. NORCHI: Here.

15 THE WITNESS: Okay.

16 MR. FOGARTY: Do you have it?

17 MR. NORCHI: I got it.

18 A. Yeah, the report talks about the identification
19 of two separate masses. And that's a distinct
20 difference from any of the previous scans going all the
21 way back to '88, and certainly going up to the
22 ultrasounds that Dr. Siminovitch obtained during his
23 care, in which there was only one.

24 Q. So what's significant about that?

25 A. Well, it's a solid lesion. It could be a

1 metastasis from a lung cancer. Lung cancer is known to
2 metastasize to the kidney.

3 Q. Could.

4 A. Could.

5 Q. You don't have an opinion that that's what it
6 is though, do you?

7 A. No, I don't have an opinion that that is a lung
8 cancer metastasis. No. It could be a solid neoplasm of
9 some other type in the kidney that's not a renal cell
10 cancer.

11 Q. Could be a renal cell cancer?

12 A. Could be a renal cell cancer.

13 Q. Could be that if the kidney was taken out in
14 '88 or '91, that cancer never would have metastasized to
15 his lung, bones and brain?

16 MR. NORCHI: Objection.

17 MR. FOGARTY: Objection.

18 MR. SIGMIER: Objection.

19 Q. Could be. Talking could be.

20 MR. POLING: Objection.

21 MR. NORCHI: He's asking about the
22 possibilities, Doctor, which we've gone over before, so
23 if you have an answer, please provide it.

24 A. What he describes is possible, yes.

25 Q. I take it you didn't see the whole study from

1 this date, the whole CT study from 2/9/95, correct?

2 A. What I saw is a single panel from that
3 examination, and I would assume that that is not a
4 complete collection of that study, yes.

5 Q. Okay. Did you read the impression of the
6 radiologist in this radiology report?

7 A. Yes, I did.

8 MR. FOGARTY: Back a page.

9 MR. LANSDOWNE: Is that it there, or did I go
10 past it? I'm sorry.

11 MR. NORCHI: Too fast.

12 THE WITNESS: Here.

13 MR. NORCHI: That's what he's talking about

14 Q. (By Mr. Lansdowne) His impression is of a
15 complex mass in the right kidney with both solid and
16 indeterminate cystic components, correct?

17 A. Correct.

18 Q. Does that -- that occurs sometimes, doesn't it,
19 that there's a cyst that has both solid and cystic
20 components?

21 A. Yes.

22 Q. And sometimes the --

23 MR. NORCHI: I'm sorry, I'm going to object.
24 You said cyst or a mass with both solid in it --

25 MR. LANSDOWNE: I said a "complex mass."

1 MR. NORCHI: I thought you said "cyst," I'm
2 sorry.

3 Q. Sometimes a solid component grows out of the
4 cystic component?

5 A. That's possible, yes.

6 Q. I mean, you see that happen, correct? I mean,
7 that does happen, I'm not saying in this case, I'm just
8 saying in general that a solid component can grow out of
9 the cystic component, correct?

10 A. I'm not sure I would agree with you.

11 Q. Why not?

12 A. Because I don't think that happens.

13 Q. Why don't you think that happens?

14 A. The pathogenesis of renal cystic disease is
15 very different from the pathogenesis of renal cell
16 carcinoma, and I don't think that cysts degenerate or
17 differentiate into renal cell cancer.

18 Q. But can you have a tumor inside a cyst,
19 correct?

20 A. You can have a cystic renal cell carcinoma,
21 yes.

22 Q. Okay. And it could present as a complex mass.

23 A. It could present that way, yes.

24 Q. Just as it's described in impression No. 3
25 here

1 understand it's been a couple hours or so.

2 MR. NORCHI: A few.

3 MR. LANSLOWNE: A few. All right, a few.

4 MR. NORCHI: Stand corrected.

5 A. I would agree, I think we've covered the
6 opinions that I have about this case. Yes.

7 Q. Okay. In terms of this '95 study, CT study,
8 and I understand you haven't seen the whole thing, are
9 you able to make a determination, I mean, do you agree
10 with this impression here, No. 3, that the radiologist
11 gives?

12 MR. FOGARTY: Objection.

13 MR. NORCHI: You mean separate and apart from
14 the information before it?

15 MR. LANSLOWNE: I'm asking him if he agrees
16 with his impression No. 3.

17 A. No, I don't entirely agree with that.

18 Q. Okay. What is it you disagree with?

19 A. Well, the impression states a complex mass in
20 the right kidney with both solid and indeterminate
21 cystic components as described above. However, if you
22 go back to the body of the evaluation when the
23 radiologist describes this, Dr. Lipuma says the right
24 kidney is enlarged and demonstrates at least two
25 separate masses.

1 And I don't think that that is emphasized nor
2 is it even pointed out in the section entitled
3 Impressions, so that's where I disagree with that
4 impression.

5 I also have a -- I also have a disagreement
6 with his interpretation that the solid mass, solid
7 portion is most compatible with renal cell carcinoma.

8 Q. Okay. Why do you disagree with that?

9 A. Because it could be compatible with a lot of
10 other things as well.

11 Q. Okay. I mean, it could be compatible with a
12 number of other things, but he says it's most compatible
13 with --

14 A. That's his opinion, and I disagree with him.

15 Q. Okay. So I guess you're saying with respect to
16 the report that -- well, let me ask this: You looked at
17 the film yourself.

18 A. Yes, I did. I looked at a portion of the CT.

19 Q. A portion of the film.

20 A. Yes.

21 Q. Are you able on that portion of the film to --
22 first **of** all, I guess you wouldn't want to make a
23 full -- an impression of a CT scan study without the
24 whole study, would you?

25 A. I would agree with that.

1 Q. All right. And obviously, Dr. Lipuma, you
2 believe, would want the whole study available to him,
3 don't you?

4 A. I would imagine he would.

5 Q. All right, And so that may explain why his
6 impression in No. 3 -- which as we discussed is the
7 interpretation by the radiologist, correct?

8 MR. FOGARTY: Objection.

9 Q. Correct?

10 A. Correct.

11 Q. Him having those other films may explain why
12 he's concluded in this impression that it's a complex
13 mass whereas in the body he referenced two separate
14 masses, correct?

15 MR. FOGARTY: Objection.

16 MR. POLING: Objection.

17 A. I don't think so.

18 Q. You don't think so, but you really don't have
19 any basis to dispute that.

20 MR. FOGARTY: Objection.

21 MR. POLING: Objection.

22 A. I think I do.

23 Q. What might that be?

24 MR. FOGARTY: Asked and answered.

25 A. He dictated the report and saw two separate

1 masses, and when he summarized, he just failed to
2 complete his evaluation. Happens 11 the time.

3 Q. Well, he doesn't fail to, he noted that it's a
4 complex mass with two separate components.

5 MR. FOGARTY: Objection. Objection.
6 Objection. Objection.

7 A. No.

8 MR. FOGARTY: Asked and answered.

9 A. We're quibbling over words here I suppose, but
10 he talks about a complex mass. A complex mass,
11 singular.

12 Q. Right. With both solid and indeterminate
13 cystic components, correct?

14 A. And that's very different from what I saw --

15 Q. Okay.

16 A. -- and it's also different from what he said in
17 the body of his manuscript, and I think it has
18 importance and bearing in this particular review.

19 Q. Okay. Well, assume it is renal cell carcinoma,
20 okay? You're not going to offer any opinion that some
21 renal cell carcinoma grew up in this kidney next to this
22 cyst, are you?

23 MR. FOGARTY: Objection.

24 A. It seems to me we've already established in
25 prior testimony that my opinion is that there was no

1 cancer present in '91 and '92; is that not correct?

2 Q. That's what you said.

3 A. **So** if I'm going to have to accept that there's
4 a cancer there now, yes, I'm going to argue that there
5 was no cancer there then, and that in the interval
6 between the last examination and this one that he
7 developed a cancer in that kidney. Yes, I will argue
8 that.

9 Q. Okay. And it's --

10 A. Given the hypothetical that you're forcing me
11 to deal with.

12 Q. Right. Which you don't accept anyway.

13 A. Which I don't accept anyway.

14 Q. Yeah. And did you see calcification in the
15 '95 film?

16 A. I believe the film that he gave me was the
17 postcontrast study.

18 Do we have that here?

19 MR. NORCHI: Do you want him to look at it?

20 Q. Yes, I would like you to look at it.

21 A. I'm pretty sure it was the postcontrast image
22 and so I think that --

23 MR. FOGARTY: Here it is. It's one of these
24 two.

25 A. Okay. This is the scan from 9 February '95.

1 Q. Is that the one you looked at?

2 A. This is -- yes, this is what I looked at, or if
3 it isn't the one that I looked at, it's certainly the
4 copies of the same thing.

5 MR. NORCHI: It's the one he looked at before
6 the deposition.

7 Q. What's it marked as? Is it marked?

8 A. No, it's just --

9 Q. Okay, we know what it is.

10 A. Although there is no notation here on this
11 particular set of images, without question this is a
12 postcontrast study, and so assessment of the presence or
13 absence of calcification really can't be made.

14 Q. Do you -- I mean, you say assessment can't be
15 made. You don't see any, is that what you're saying?

16 A. I don't see any, but we already, I think, in
17 testimony established and I agreed that contrast as well
18 **as** slice interval can contribute to a false negative on
19 calcifications.

20 MR. LANSLOWNE: Okay. Doctor, I don't have
21 any other questions for you, but these other gentlemen
22 may.

23 MR. SIGMIER: No questions.

24 MR. POLING: No questions.

25 MR. FOGARTY: No questions.

1 MR. LANSDOWNE: I guess not.

2 MR. NORCHI: Thank you. No questions. We're
3 done.

4 Doctor, you have the right to review the
5 transcript --

6 THE WITNESS: I will review it, okay.

7 There you go. Thank you.

8 (Signature not waived.)

9 (The deposition concluded at 6:20 p.m.)

10 - - -

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 State of Ohio

SS:

2 County of Franklin

3 I, Robert R. Bahnson, M.D., do hereby certify
4 that I have read the foregoing transcript of my
5 deposition given on Monday, October 4, 1999; that
6 together with the correction page attached hereto noting
7 changes in form or substance, if any, it is true and
8 correct.

9

10

Robert R. Bahnson, M.D.

12

13 I do hereby certify that the foregoing
14 transcript of the deposition of Robert R. Bahnson, M.D.
15 was submitted to the witness for reading and signing;
16 that after he had stated to the undersigned Notary
17 Public that he had read and examined his deposition, he
18 signed the same in my presence on the _____ day of
19 _____, 1999.

20

21

22

Notary Public

23

My commission expires _____, _____.

24

- - -

25

1 CERTIFICATE

2 State of Ohio

SS:

3 County of Franklin :

4 I, Maria DiPaolo Jones, Notary Public in and
5 for the State of Ohio, duly commissioned and qualified,
6 certify that the within named Robert R. Bahnson, M.D.
7 was by me duly sworn to testify to the whole truth in
8 the cause aforesaid; that the testimony was taken down
9 by me in stenotypy in the presence of said witness,
10 afterwards transcribed upon a computer; that the
11 foregoing is a true and correct transcript of the
12 testimony given by said witness taken at the time and
13 place in the foregoing caption specified and completed
14 without adjournment.

15 I certify that I am not a relative, employee,
16 or attorney of any of the parties hereto, or of any
17 attorney or counsel employed by the parties, or
18 financially interested in the action.

19 IN WITNESS WHEREOF, I have hereunto set my hand
20 and affixed my seal of office at Columbus, Ohio, on this
21 7th day of October, 1999

22 Maria DiPaolo Jones —
23 Maria DiPaolo Jones, Registered
24 Diplomate Reporter, CRR and Notary
'Public in and for the State of Ohio.

25 My commission expires June 19, 2001.
(Pad 829)