

1 CAUSE NO. 141-172582-98

2 SIMEON EDEN McLEAN, Individually * IN THE DISTRICT

COURT 3 and as Heir to the Estate of *

4 DELORES McLEAN, Deceased, and *

5 SIMEON EDEN McLEAN, as Next *

6 Friend of JAMILA IMARI McLEAN, *

7 IMANI ZAKIYA McLEAN and *

8 MAHLON McLEAN, Minors, *

9 plaintiffs *

10 v. * 141ST JUDICIAL

DISTRICT 11 HARRIS METHODIST H-E-B, *

12 JEROME DOUGLAS NOVOTNY, JR., *

13 M.D., ROBERT MORROW WELCH, *

14 M.D., MARK ALAN GODFREY, M.D., *

15 HEALTH PARTNERS MEDICAL *

16 GROUP, P.A. and MID-CITIES *

17 FAMILY PRACTICE ASSOCIATION, P.A. *

18 Defendants * TARRANT COUNTY,

TEXAS

19

20 -----

21 VIDEOTAPED ORAL DEPOSITION

22 OF

FRANK J. BAKER 11, M.D.

TAKEN ON MARCH 15, 1999

23

24

25

26

27

28

29

30

31

32

33

34

35

36

37

38

39

40

41

42

43

44

45

46

47

48

49

50

51

52

53

54

55

56

57

58

59

60

61

62

63

64

65

66

67

68

69

70

71

72

73

74

75

76

77

78

79

80

81

82

83

84

85

86

87

88

89

90

91

92

93

94

95

96

97

98

99

100

101

102

103

104

105

106

107

108

109

110

111

112

113

114

115

116

117

118

119

120

121

122

123

124

125

126

127

128

129

130

131

132

133

134

135

136

137

138

139

140

141

142

143

144

145

146

147

148

149

150

151

152

153

154

155

156

157

158

159

160

161

162

163

164

165

166

167

168

169

170

171

172

173

174

175

176

177

178

179

180

181

182

183

184

185

186

187

188

189

190

191

192

193

194

195

196

197

198

199

200

201

202

203

204

205

206

207

208

209

210

211

212

213

214

215

216

217

218

219

220

221

222

223

224

225

226

227

228

229

230

231

232

233

234

235

236

237

238

239

240

241

242

243

244

245

246

247

248

249

250

251

252

253

254

255

256

257

258

259

260

261

262

263

264

265

266

267

268

269

270

271

272

273

274

275

276

277

278

279

280

281

282

283

284

285

286

287

288

289

290

291

292

293

294

295

296

297

298

299

300

301

302

303

304

305

306

307

308

309

310

311

312

313

314

315

316

317

318

319

320

321

322

323

324

325

326

327

328

329

330

331

332

333

334

335

336

337

338

339

340

341

342

343

344

345

346

347

348

349

350

351

352

353

354

355

356

357

358

359

360

361

362

363

364

365

366

367

368

369

370

371

372

373

374

375

376

377

378

379

380

381

382

383

384

385

386

387

388

389

390

391

392

393

394

395

396

397

398

399

400

401

402

403

404

405

406

407

408

409

410

411

412

413

414

415

416

417

418

419

420

421

422

423

424

425

426

427

428

429

430

431

432

433

434

435

436

437

438

439

440

441

442

443

444

445

446

447

448

449

450

451

452

453

454

455

456

457

458

459

460

461

462

463

464

465

466

467

468

469

470

471

472

473

474

475

476

477

478

479

480

481

482

483

484

485

486

487

488

489

490

491

492

493

494

495

496

497

498

499

500

501

502

503

504

505

506

507

508

509

510

511

512

513

514

515

516

517

518

519

520

521

522

523

524

525

526

527

528

529

530

531

532

533

534

535

536

537

538

539

540

541

542

543

544

545

546

547

548

549

550

551

552

553

554

555

556

557

558

559

560

561

562

563

564

565

566

567

568

569

570

571

572

573

574

575

576

577

578

579

580

581

582

583

584

585

586

587

588

589

590

591

592

593

594

595

596

597

598

599

600

601

602

603

604

605

606

607

608

609

610

611

612

613

614

615

616

617

618

619

620

621

622

623

624

625

626

627

628

629

630

631

632

633

634

635

636

637

638

639

640

641

642

643

644

645

646

647

648

649

650

651

652

653

654

655

656

657

658

659

660

661

662

663

664

665

666

667

668

669

670

671

672

673

674

675

676

677

678

679

680

681

682

683

684

685

686

687

688

689

690

691

692

693

694

695

696

697

698

699

700

701

702

703

704

705

706

707

708

709

710

711

712

713

714

715

716

717

718

719

720

721

722

723

724

725

726

727

728

729

730

731

732

733

734

735

736

737

738

739

740

741

742

743

744

745

746

747

748

749

750

751

752

753

754

755

756

757

758

759

760

761

762

763

764

765

766

767

768

769

770

771

772

773

774

775

776

777

778

779

780

781

782

783

784

785

786

787

788

789

790

791

792

793

794

795

796

797

798

799

800

801

802

803

804

805

806

807

808

809

810

811

812

813

814

815

816

817

818

819

820

821

822

823

824

825

826

827

828

829

830

831

832

833

834

835

836

837

838

839

840

841

842

843

844

845

846

847

848

849

850

851

852

853

854

855

856

857

858

859

860

861

862

863

864

865

866

867

868

869

870

871

872

873

874

875

876

877

878

879

880

881

882

883

884

885

886

887

888

889

890

891

892

893

894

895

896

897

898

899

900

901

902

903

904

905

906

907

908

909

910

911

912

913

914

915

916

917

918

919

920

921

922

923

924

925

926

927

928

929

930

931

932

933

934

935

936

937

938

939

940

941

942

943

944

945

946

947

948

949

950

951

952

953

954

955

956

957

958

959

960

961

962

963

964

965

966

967

968

969

970

971

972

973

974

975

976

977

978

979

980

981

982

983

984

985

986

987

988

989

990

991

992

993

994

995

996

997

998

999

1000

1001

1002

1003

1004

1005

1006

1007

1008

1009

1010

1011

1012

1013

1014

1015

1016

1017

1018

1019

1020

1021

1022

1023

1024

1025

1026

1027

1028

1029

1030

1031

1032

1033

1034

1035

1036

1037

1038

1039

1040

1041

1042

1043

1044

1045

1046

1047

1048

1049

1050

1051

1052

1053

1054

1055

1056

1057

1058

1059

1060

1061

1062

1063

1064

1065

1066

1067

1068

1069

1070

1071

1072

1073

1074

1075

1076

1077

1078

1079

1080

1081

1082

1083

1084

1085

1086

1087

1088

1089

1090

1091

1092

1093

1094

1095

1096

1097

1098

1099

1100

1101

1102

1103

1104

1105

1106

1107

1108

1109

1110

1111

1112

1113

1114

1115

1116

1117

1118

1119

1120

1121

1122

1123

1124

1125

1126

1127

1128

1129

1130

1131

1132

1133

1134

1135

1136

1137

1138

1139

1140

1141

1142

1143

1144

1145

1146

1147

1148

1149

1150

1151

1152

1153

1154

1155

1156

1157

1158

1159

1160

1161

1162

1163

1164

1165

1166

1167

1168

1169

1170

1171

1172

1173

1174

1175

1176

1177

1178

1179

1180

1181

1182

1183

1184

1185

1186

1187

1188

1189

1190

1191

1192

1193

1194

1195

1196

1197

1198

1199

1200

1201

1202

1203

1204

1205

1206

1207

1208

1209

1210

1211

1212

1213

1214

1215

1216

1217

1218

1219

1220

1221

1222

1223

1224

1225

1226

1227

1228

1229

1230

1231

1232

1233

1234

1235

1236

1237

1238

1239

1240

1241

1242

1243

1244

1245

1246

1247

1248

1249

1250

1251

1252

1253

1254

1255

1256

1257

1258

1259

1260

1261

1262

1263

1264

1265

1266

1267

1268

1269

1270

1271

1272

1273

1274

1275

1276

1277

1278

1279

1280

1281

1282

1283

1284

1285

1286

1287

1288

1289

1290

1291

1292

1293

1294

1295

1296

1297

1298

1299

1300

1301

1302

1303

1304

1305

1306

1307

1308

1309

1310

1311

1312

1313

1314

1315

1316

1317

1318

1319

1320

1321

1322

1323

1324

1325

1326

1327

1328

1329

1330

1331

1332

1333

1334

1335

1336

1337

1338

1339

1340

1341

1342

1343

1344

1345

1346

1347

1348

1349

1350

1351

1352

1353

1354

1355

1356

1357

1358

1359

1360

1361

1362

1363

1364

1365

1366

1367

1368

1369

1370

1371

1372

1373

1374

1375

1376

1377

1378

1379

1380

1381

1382

1383

1384

1385

1386

1387

1388

1389

1390

1391

1392

1393

1394

1395

1396

1397

1398

1399

1400

1401

1402

1403

1404

1405

1406

1407

1408

1409

1410

1411

1412

1413

1414

1415

1416

1417

1418

1419

1420

1421

1422

1423

1424

1425

1426

1427

1428

1429

1430

1431

1432

1433

1434

1435

1436

1437

1438

1439

1440

1441

1442

1443

1444

1445

1446

1447

1448

1449

1450

1451

1452

1453

1454

1455

1456

1457

1458

1459

1460

1461

1462

1

23 State of Texas, on March 15, 1993, at the Hyatt Regency
24 O'Hare, 98 West Bryn Mawr, Rosemont, Illinois, in
accordance
25 with the Rules of Civil Procedure.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

2

1 A P P E A R A N C E S:

2 LARRY F. SMITH, M.D., J.D.
Morgan & Weisbrod
3 10260 North Central Expressway
Suite 100N
4 Dallas, Texas 75231
COUNSEL FOR PLAINTIFFS

5 JEFFREY W. RYAN, ESQ.
6 Chamblee & Ryan, P.C.
2777 Stemmons Place, Suite 1084
7 Dallas, Texas 75207
COUNSEL FOR DEFENDANT, MARK ALAN GODFREY, M.D.;
8 HEALTH PARTNERS MEDICAL GROUP, P.A.; and
MID-CITIES FAMILY PRACTICE ASSOCIATION, P.A.

9 JOEL J. STEED, ESQ.
10 Law Office of Joel J. Steed
5910 North Central Expressway
11 Suite 650
Dallas, Texas 75206
12 COUNSEL FOR DEFENDANT, JEROME DOUGLAS NOVOTNY, JR.

13 LARRY HAYES, ESQ.
Cantey & Hanger, L.L.P.
14 801 Cherry Street, Suite 2100
Fort Worth, Texas 76102
15 COUNSEL FOR DEFENDANT, ROBERT MORROW WELCH, M.D.

16 MAX E. FREEMAN, II, ESQ.
Gwinn & Roby
17 4100 Renaissance Tower
1201 Elm Street
18 Dallas, Texas 75270
COUNSEL FOR DEFENDANT, HARRIS METHODIST H-E-B

19
20

21
22
23
24
25

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

3

	1	T A B L E O F C O N T E N T S
	2	
PAGE		
	3	EXAMINATION OF FRANK J. BAKER 11, M.D.
		By Mr. Freeman
5	4	By Mr. Steed
66		By Mr. Hayes
142	5	By Mr. Ryan
194		By Mr. Freeman
225	6	Witness' Signature
228	7	Correction Sheet
229	8	Reporter's Certificate
230	9	
	10	E X H I B I T S
	11	NO. DESCRIPTION
PAGE		
	12	
	1	Plaintiffs' Amended Notice of Intent
4		

13		to Take Videotaped Oral Depositions
4	14	2 Curriculum Vitae
4	15	3 Dr. Baker's Expert Report on
		Delores McLean
4	16	4 Legal Definitions
6	17	5 Defendant Harris Methodist H-E-B's
	18	Cross Notice of Intention to Take
	19	Oral Deposition Duces Tecum
9		6 Fee Agreement and Billing Records
10	20	7 Transmittal Letters from Morgan &
	21	Weisbrod to Dr. Baker
15	22	8 1099's 1995 to Present from Previous
	23	Cases with Morgan & Weisbrod
19		9 Reprinted Articles from Publications
	24	by Tintinalli, Rosen and Shapiro
	25	

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

4

	1	E X H I B I T S - Continued
PAGE	2	NO. DESCRIPTION
22	3	10 File Folder and Contents
25	4	11 Other Morgan & Weisbrod Folders

225	5	12	Condensed Transcripts of
			Mark Alan Godfrey, M.D. ;
	6		Jerome Douglas Novotny, Jr., M.D. ;
			Robert Morrow Welch, III, M.D.
	7		
225		13	Condensed Transcript of
	8		Steven Deutsch
	9	14	Condensed Transcript of
225			Meagan Stillwagoner
	10		
	11		
	12		
	13		
	14		
	15		
	16		
	17		
	18		
	19		
	20		
	21		
	22		
	23		
	24		
	25		

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

2 (Exhibit Nos. 1 through 5
3 marked.)

4 THE COURT REPORTER: Agreements?

5 MR. FREEMAN: Whatever. I don't care.

6 MR. STEED: Under the Rules.

7 MR. HAYES: The Rules. Thirty days okay.

8 Objection by one inures to the benefit of all?

9 MR. FREEMAN: Or may be asserted by all
at
10 whatever time could be appropriate.

11 FRANK J. BAKER II, M.D.,
12 having been first duly cautioned and sworn upon his oath
to
13 tell the truth, the whole truth, and nothing but the
truth,
14 testified as follows:

15 E X A M I N A T I O N

16 (On the record at 11:25
a.m.)

17 BY MR. FREEMAN:

18 Q. What is your name?

19 A. Dr. Frank Baker, B-a-k-e-r.

20 Q. What is your address?

21 A. 89 Timber Court, Oakbrook, Illinois.

22 Q. I'm sorry. Oakbrook?

23 A. Oakbrook, Illinois.

24 Q. Is that your residence address?

25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 Q. Do you have a business address?

2 A. Rush Presbyterian St. Luke's Hospital, which
is
3 1750 West Harrison in Chicago.

4 Q. And how long has your business address been at
5 Rush St. Luke's Presbyterian Hospital?

6 A. I started at Rush in September of '98.

7 Q. And has your business address been
continuously
8 there since September of '98?

9 A. Yes.

10 Q. Where was it immediately prior to that,
please,
11 sir?

12 A. I was an attending physician in emergency
medicine
13 at MacNeal Hospital, which was -- let's see, 3249 South
Oak
14 Park Avenue in Berwyn, Illinois.

15 MR. FREEMAN: Objection, nonresponsive.

16 Q. (By Mr. Freeman) If from time to time people
make
17 objections, please take no offense. They're not in any
way
18 directed at you. You've been through the deposition
19 process --

20 A. Sure.

21 Q. -- before, haven't you, sir?

22 A. Sure.

23 Q. I'll hand you what has been marked as Exhibit
24 No. 5, which is the notice for your deposition with the
25 duces tecum. Have you ever seen that before, sir?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

7

1 A. I saw it this morning.

2 Q. Have you brought documents today with you
pursuant
3 to that?

4 A. Some of them I have. Some of them I don't.

5 Q. Would you tell me what you don't have with you
6 today, please, sir.

7 A. Sure. Let's see, I don't have the billing
8 records. Actually, I didn't have a copy of my report,
but I
9 have obtained a copy from Plaintiffs' counsel. I don't
have
10 documents concerning depositions that I've given in the
11 past. Actually, in general, they don't exist.

12 Q. And we'll get to that here momentarily.

13 A. And I don't have documents concerning each
time
14 I've testified at trial in the past.

15 Q. And we'll get to that momentarily as well.
Item
16 number one on this list, sir, I believe asks for all
notes
17 that you have made with respect to this case.

18 A. Yes.

19 Q. Have you made any notes?

20 A. There are notes scribbled in the charts and in
the
21 depositions, mostly in the margins.

22 Q. Okay. Other than what is in the marginal
notes in
23 the depositions and in the charts that you have here,
have
24 you otherwise put pen to paper with respect to this
case?

25 A. No

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

8

1 Q. Have you made any computer-generated notes?

2 A. No. The only thing that I have that was
generated
3 on a computer was the report that I sent to Mr. -- Dr.
Smith
4 on August 4th, 1998.

5 DR. SMITH: Objection, nonresponsive.

6 Q. (By Mr. Freeman) Do you have a computer file
on
7 this particular case?

8 A. I don't know whether this got erased or not.

9 Q. Do you maintain --

10 A. Do I have a file, no. No, there's not a
computer

report 11 file, per se, on this case. There would only be the
12 that I generated.
13 Q. And do you have earlier drafts of the report?
and 14 A. Probably not. I probably generated a report
15 then went through and corrected it and saved it as the
16 corrected copy.
17 Q. Do you potentially have a previous --
18 A. I don't --
19 Q. -- draft on the computer?
20 A. No, I don't think so. I don't generally save
21 first drafts or second drafts.
all 22 Q. Item number two on the duces tecum asks for
Have 23 correspondence with Plaintiffs' counsel in this case.
24 you brought that with you, sir?
or a 25 A. What I don't have with me is billing records

5
PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

9

1 copy of a fee agreement, which I probably sent them.
2 Q. Is that something that you can locate?
3 A. Yes. Actually, I forgot those materials this
4 morning, including my own report. But I have them, yes.
5 Q. If I mark on a blank piece of paper the words
"Fee

what 6 Agreement and Billing Records," will we both understand
7 we're talking about?
8 A. Sure.
9 Q. And have I done that, sir?
10 A. Yes.
11 (Exhibit No. 6 marked.)
be 12 Q. And if I mark this as Exhibit No. 6, would it
reporter 13 reasonably convenient for you to get to the court
next 14 the fee agreement and billing records within, say, the
15 five days?
16 A. Sure. No problem.
reporter 17 MR. FREEMAN: And I'd ask the court
can 18 to please give the doctor a card or some way that that
19 be accomplished.
sir? 20 Q. (By Mr. Freeman) Is that acceptable to you,
21 A. Sure.
22 Q. What correspondence do you have with
Plaintiffs'
23 counsel?
24 A. These would be mostly cover letters and two
items
25 which were faxed to me at some point in the case
regarding

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 definitions under Texas law of four terms: negligence,
2 ordinary care, proximate cause and gross negligence.

3 Q. And if I mark -- may I mark this collectively
as 4 Exhibit No. 7?

5 (Exhibit No. 7 marked.)

6 A. Sure.

7 Q. And have I done that, sir?

8 A. Yes, sir.

9 Q. Other than your report dated August 4, 1998
and

10 the documents in Exhibit No. 7, have you had any
11 correspondence with Plaintiffs' counsel in this case?

12 A. I don't think so, no.

13 Q. Do you recall or can you tell from Exhibit 7
when 14 you were first contacted in this case?

15 A. No,

16 Q. Do you recall when you were first contacted in
17 this case?

18 A. No, sir

19 Q. Do you know about how long ago it was that you
20 were first contacted?

21 A. Well, it would have been sometime prior to my
22 August 1998 report, but I can't tell you off the top of
my 23 head when.

24 Q. Would your billing records indicate when you
first

25 spent time concerning this case?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

11

1 A. Yes.

2 Q. Would your fee agreement indicate something
about
3 when you first did work on this case?

4 A. Well, it would indicate when they signed the
5 agreement. There's a date on it. And it may be before
or
6 after I started work on the case, because I've done work
for
7 this firm before, I think on one occasion, and I don't
8 really require a fee agreement to do work. They seem to
pay
9 their bills.

10 Q. With firms that you have an ongoing
relationship
11 or a past relationship, you don't necessarily make them
sign
12 a fee agreement?

13 A. I ask them to sign a fee agreement, but that
14 doesn't stop me from beginning work on a case.

15 Q. When you had a previous case for them, did you
do
16 a report?

17 A. I don't recall whether I did a report. I did
give
18 a deposition in it.

that 19 Q. So you were disclosed to the other side in
20 case?

21 A. Yes.

22 Q. Do you recall the name of the case?

23 A. No

24 Q. Do you recall the type of case it was?

25 A. No.

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

12

1 Q. Do you recall the name of the lawyer that took
2 your deposition?

3 A. It was Mr. Weisbrod.

4 Q. Do you recall the name of the other folks that
5 questioned you during the deposition?

6 A. No.

7 Q. Do you have a copy of the deposition?

8 A. No.

9 Q. Do you know when that deposition was taken?

the 10 A. I'd say maybe a couple of years ago. I think
11 case settled, actually

that 12 Q. I see. Do you remember the medical issues
13 were involved in that case?

14 A. No.

15 Q. Do you recall where the health care providers

16 practiced in that case?

17 A. No.

18 Q. Was there an institution involved in that
case?

19 A. Actually, I don't remember.

20 Q. Do you know if it was a case that health care
21 providers were in Texas?

22 A. Yes. I think it was in Texas.

23 Q. Do you know in what part of Texas?

24 A. No.

25 Q. Was your deposition taken here in Chicago?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

13

1 A. Yes.

2 Q. Do you know how many people came up for your
3 deposition at that time?

4 A. I think there were three or four attorneys
from
5 the other side.

6 Q. Do you have a copy of that deposition?

7 A. No.

8 Q. Do you have a copy of the blank file folder
from
9 that case?

10 A. No. I -- well, I'm not sure. As I -- off the
top

that 11 of my heaci, I think the case was settled, which means
12 the file would have been disposed of.

when 13 Q. Okay. Typically, you would throw away files
14 you're done?

and 15 A. Oh, sure.
16 Q. And typically, you would save the file folders
the 17 keep them, the empty file folders, after throwing away
18 contents to keep track of what cases that you had?

was 19 A. No.
20 Q. Do you recall testifying in the past that that
21 your practice and habit?

kept 22 A. I've never testified to that. I don't keep
23 records from old cases.

the 24 Q. Are you telling the jury that you've never
25 the file folders from old cases so you can keep track of

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

14

1 names of the cases that you've had before?

2 A. That's true.

record 3 Q. Do you have billing records or some other
4 in your office or on your computer that would show the
name

5 of the case or your previous contact with the
6 Morgan & Weisbrod law firm?

7 A. Probably not. Probably not. I -- I keep
records
8 for tax purposes, but they are not kept -- you know,
they're
9 sort of all kept with tax records. It's a little bit
10 dependent upon whether they send me a 1099 or don't send
me
11 a 1099.

12 And I would not ordinarily, like I say, keep
any
13 file at all. All of it gets thrown out except for what
I
14 need to report for income tax.

15 Q. So if you had a 1099 from the previous case
that
16 you did for Morgan & Weisbrod, you would have kept that?

17 A. Yes. And I could probably find it if I knew
what
18 year it was.

19 Q. And you said it was within the last couple of
20 years?

21 A. Well, that's what I think, yeah.

22 Q. Okay. So it would be sometime between, I take
it,
23 1996 and present?

24 A. Maybe. I mean it could be '95. My memory's
not
25 that good for these kinds of things.

1 Q. Okay. And if I write "1099s, 1995 through
2 present, Morgan & Weisbrod" on a blank piece of paper,
are
3 we both on the same page as to what we're talking about,
4 sir?

5 A. Yes.

6 (Exhibit No. 8 marked.)

7 Q. And have I marked that as Exhibit No. 8?

8 A. Uh-huh, yes.

9 Q. And can you check those records and within the
10 next five days get back with the court reporter as to
any

11 1095 -- 1099s -- pardon me. Let me start over.

12 Within the next five days, could you check and
get

13 with the court reporter as to any 1099s from the law
firm of

14 Morgan & Weisbrod, please, sir?

15 A. Yes. But who's going to pay for the time?

16 Q. Well, if you -- I'd be happy to come up and go
17 through your tax records and --

18 A. No, that's not acceptable. You can't go
through

19 my tax records

20 Q. Okay. Then how long will it take you to go
21 through and look at your 1099s?

22 A. I have no idea. I haven't done it.

23 Q. Well, you filed a tax return, I take it, in
1995,

24 1996, 1997 and --

25 A. '98's not due yet.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

16

take 1 Q. Not '98. But it wasn't in the '98 year, I

2 it, that you did the previous case for them?

3 A. That's correct.

returns? 4 Q. So we're talking about three years of tax

5 A. That's correct.

years of 6 Q. And how long do you anticipate it will take to
7 look to see whether or not there's a 1099 for three

8 tax returns, '95, '96 and '97?

9 A. Less than an hour.

10 Q. And how much do you charge for doing that?

the 11 A. I charge \$500 an hour for work that I do in

12 case.

13 Q. The -- well, I'd request that you -- if it is

14 going to take less than an hour as to previous

of 15 correspondence in the form of a 1099 with the law firm

16 Morgan & Weisbrod, I'd ask you to do that, sir.

17 A. Okay. And you'll agree to pay the bill?

18 Q. Well, I'm not going to agree to anything right

19 now, because I can't respond. I'm not being deposed.
20 A. Well, I'm not going to do it unless you agree
to
21 pay the bill.
22 Q. If there are previous 1099s with respect to
23 Morgan & Weisbrod and if and to the extent it is less
than
24 an hour that it takes you, I think that we can find some
way
25 to compensate you for that fractional portion of an hour

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

17

1 that it takes to find that,
2 A. Well, I've heard that story before. The
question
3 is who's going to compensate me, and who do I send the
4 invoice to?
5 Q. You can send the invoice to me, sir. Or you
can
6 send it to Mr. Smith, and he'll send it to me.
7 A. But you still haven't agreed to pay it.
8 Q. Oh, I just did.
9 A. Okay.
10 Q. Yeah, I did.
11 A. All right.
12 Q. Item number three is for -- let me back up.
With
13 respect to item number two, other than the 1099 that we
have

14 talked about and other than the materials that we have
15 identified in Exhibit No. 7, your report, which I think
has
16 been marked as Exhibit No. 3, and the billing records
which
17 you have not but will provide us, is there any other
18 correspondence that you have ever had with anyone at the
law
19 firm of Morgan & Weisbrod?
20 A. No
21 Q. Item number three asks for all correspondence
22 regarding this case. Have you corresponded with anyone
else
23 with respect to this case?
24 A. No.
25 Q. Have you talked to anyone else with respect to

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

18

1 this case, other than someone with the firm of
2 Morgan & Weisbrod?
3 A. No.
4 Q. Item number four asks for all documents
concerning
5 any opinion that you will render in this case. Have you
6 brought those with you, sir?
7 A. Yes.
8 Q. And what do we have, please, sir?

9 A. Well, we have the records I reviewed, which
10 consist of the office records of Dr. Mark Reimer.
11 (Off-the-record
discussion.)
12 A. The chart from Harris Methodist Hospital of
13 Delores McLean dated 4-24-96; radiology records from
Harris
14 Methodist involving the same patient; also the records
from
15 Harris Methodist for 7-8-96; the Euless Fire Department
16 records from 7-9-96; the Harris Methodist Hospital
emergency
17 department records from 7-10-96; a copy of the autopsy
18 report; copy of the investigator's report; copy of the
death
19 certificate; copy of the depositions of Dr. Godfrey,
20 Dr. Welch and Dr. Novotny; copy of the deposition of
21 Grace Croft, R.N.; copy of the deposition of
22 Delores McLean -- no, medical records of Delores McLean
from
23 Health Partners, which includes Dr. Terry, Dr. Godfrey
and
24 Dr. Drake; copy of the deposition of Nurse Pearson; of a
25 respiratory therapist by the name of Regina Earzo; copy
of

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

19

COPY

1 the deposition of Meagen Stillwagoner, who is a nurse;

2 of the deposition of Steven Deutsch, who is a paramedic;

the
book
fourth
Medicine:

3 and some reprints that I brought for you, one a copy of
4 oxyhemoglobin disassociation curve from Barry Shapiro's
5 entitled "The Clinical Application of Blood Gases,"
6 specifically Page 85; a copy out of the Rosen textbook,
7 "Emergency Medicine Concepts and Clinical Practice,"
8 edition, Page 128, also regarding the oxyhemoglobin
9 disassociation curve; and a copy of Page 56 out of the
10 third edition of Dr. Tintinalli's book, "Emergency
11 Core Content" regarding the relationship between the
12 oxyhemoglobin saturation and plasma PO2.

13 Q. Have the articles that you have there, please,
14 sir, been marked as one of the exhibits?

15 MR. HAYES: No.

you,
16 Q. (By Mr. Freeman) Would it be acceptable to
17 sir, if I marked this as an exhibit?

18 A. Yes, sir.

19 (Exhibit No. 9 marked.)

20 Q. And have I done that as Exhibit 9?

21 A. Yes, sir.

22 Q. Do you have any other documents that you have
23 reviewed in this case other than what you have just
24 identified for us?

25 A. No.

1 Q. You have identified some medical literature of
2 which you've been kind enough to provide a copy. Have
you
3 looked at any other medical literature with respect to
this
4 case?

5 A. No.

6 Q. Have you done a MEDLINE search?

7 A. No.

8 Q. You've done a report in this case.

9 A. Yes, sir.

10 Q. Is your work complete, as we sit here today,
as
11 far as what you have been asked to do in this case?

12 A. Yes, sir.

13 Q. Is your report complete?

14 DR. SMITH: I'm going to make an
objection as

15 to form.

16 A. With -- maybe with one exception. Just let me
17 check it.

18 Q. (By Mr. Freeman) Okay. Please check it and
tell
19 me the one exception, if one exists, please, sir.

20 A. Well, I think the item that I probably failed
to
21 put in the report, which actually came out when I read
the
22 depositions last night, was this issue of when the P02
from

23 the first visit was drawn.

24 THE WITNESS: Who's got the medical
records?

25 Because I need them back.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

21

1 A. And that is, that, if my memory serves me
correct,

2 the PO2 of 56 was drawn on a blood gas that was, I
think,

3 drawn at 1415 hours, which was about an hour after the
4 patient had been treated with an Albuterol treatment

5 Q. And that affects your --

6 A. Well --

7 Q. Pardon me. I apologize. If we both talk at
the

8 same time, the court reporter will fuss. And since I'm,
I

9 think, closer, I'll probably be the one that she kicks.
I

10 apologize, sir.

11 That affects your opinion in what way?

12 A. Well, the PO2 of 56 posttreatment is much more
13 significant than it was pretreatment in that it
obviously

14 reflects a much severer degree of hypoxemia; in
addition,

15 further brings into question the diagnosis of asthma.

is

16 Q. With that addition being made to your report,

17 your report now complete?

18 A. Yes.

all

19 Q. Item number five on the duces tecum asks for

you .

20 documents upon which you will rely for any opinions that

21 have in this case. Have we identified those?

22 A. Yes, sir.

than

23 Q. Item number seven, I believe, asks for your

24 complete file. Do you have anything in your file other

25 what we have already identified?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

22

1 A. No.

2 Q. Do you keep these in a manila file folder?

3 A. Yes.

4 Q. And where is your manila file folder, sir?

5 A. Sitting on the table at home.

6 Q. Why didn't you bring the file folder with you
7 today?

8 A. Well, actually because I forgot it.

9 Q. Okay. Where --

I

10 A. I didn't even bring my report with me today

11 had to get a copy from Counsel when I got here.

6 A. Yes.

7 Q. And within the next week, can you get -- or
next
8 five days can you get the file folder and its contents
to
9 the court reporter so she can attach it to the
deposition as
10 Exhibit No. 10?

11 A. Yes.

12 Q. And will you do that, please?

13 A. Sure.

14 Q. Other than that, do we now have your complete
file
15 in this case?

16 A. Yes, sir.

17 Q. Now, with respect to the file folder, is there
a
18 file number on it?

19 A. No,

20 Q. Do you keep track of the files that you have
and
21 that you're reviewing alphabetically or by number or by
22 lawyer?

23 A. By firm.

24 Q. By law firm?

25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

10 A. Actually, it's four to five times.

11 Q. And when you originally set the \$350 an hour,
you
12 were charging \$57 an hour working at McLean, or wherever
13 the --

14 A. MacNeal.

15 Q. MacNeal. I'm sorry. I apologize, sir --
working
16 at MacNeal?

17 A. Right. But it's not actually four to five
times.
18 The formula's not four to five times what you make doing
19 something else. The rate is based on figuring the --
you
20 can only bill out consulting time -- at least, the rest
of
21 us in the world, unlike you attorneys, can only bill out
22 consulting time -- based on working about 25 percent --
or
23 20 to 25 percent of a workable year, which is 2,080
hours.
24 So basically,^r you figure out what your salary
25 would be if you were going to do this full time, and
then

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

28

1 you divide that by 2,080 and multiply by four to five.
so
2 that's how you come up with it.

3 Q. Is that the formula -- I apologize

fee. 4 A. That's how you come up with the consulting

5 Q. Is that the formula that you use to go from \$57

6 per hour in the emergency room to \$350 per hour
testifying

7 when you were charging that rate?

8 A. Actually, I don't think I multiplied by what I
was

9 getting paid to do clinical work in the emergency

10 department. I think it was based more on what I would
be

11 paid if I was getting my professional fees.

12 You understand, in emergency medicine we
charge

13 professional fees, but the hospital collects and keeps
them

14 and gives us some small fraction of them. And the
hospital,

15 at least currently, is billing out about \$500 an hour
for

16 what I do.

17 Q. Okay.

18 A. I don't remember what they were billing at the

19 time, but it was about -- actually, I think almost \$400
an

20 hour.

21 Q. You mentioned something about full time in
22 response to the question before last. You're not
engaged in

23 the full-time practice of medicine, are you, sir?

24 A. Well, sure. What do you think I do?

25 Q. The -- okay. Well -- and are you telling us
that

29

1 you have been engaged in the full-time practice of
medicine

2 for the last 10 years?

3 A. Sure. I've been a part-time employee at the
4 hospital, but I do full-time medicine. I mean, I don't
run

5 a gas station or do other things. I do full-time
medicine.

6 That's all I do in life.

7 Q. I see. Let me go at it this way: Are you
8 currently working full time at the hospital?

9 A. I'm not a full-time employee, no.

10 Q. When was the last time you were a full-time
11 employee at a hospital practicing medicine, please, sir?
12 Was that at the University of Chicago?

13 A. That was 1987.

14 Q. And in May of 1987, you left the University of
15 Chicago?

16 A. No. I left in the end of June in 1987.

17 Q. And the University of Chicago is, what, a 750-
bed

18 hospital or thereabouts?

19 A. Basically.

20 Q. Level -- I always get the numbers wrong. Is
it a

21 Level I trauma?

22 A. Level I.

23 Q. That's the last, Level I trauma --

24 A. Well, actually it was a Level I until after I

25 left, and then they withdrew from the trauma system and
now

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

30

1 they're not a level anything. They're not a participant

2 anymore.

3 Q. That was the last Level I hospital of which
you

4 ever practiced; isn't that true?

5 A. Level I trauma center, yeah.

6 Q. And that is the last time that you have been
7 engaged in the full-time -- as a full-time employee at
any

8 institution in the practice of medicine?

9 A. Yes, that's true.

10 Q. And the medicine that you have practiced since
11 June of 1987 has either been taking care of family
members,

12 friends and the like -- which you occasionally do at
your

13 house -- on the one hand, or practicing in a hospital as
a

14 part-time emergency room physician; isn't that true?

15 A. Or consulting or teaching.

16 consulting?

Q. I'm talking -- or doing medical-legal

17 A. Or teaching.

18 Q. Okay. And tell me what teaching --

19 A. I've done a -- I've done a series of overseas
20 programs, mostly in Russia, in the former Soviet Union,
21 mostly sponsored by the Ministry of Science of Russia,
22 although programs have also been sponsored by the
Ministry
23 of Health of Kazakhstan and the Ministry of Health of
24 Pakistan and the Ministry of Tajekistan.

25 Q. Could you spell that, sir? Because I don't
have

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

31

1 any idea where that is.

2 A. That's in Central Asia.

3 Q. And for the benefit of the court reporter, can
4 you spell it? She probably knows, but I don't.

5 A. T-a-j-e-k-i-s-t-a-n.

6 Q. In '91, '93, '95, '96 and 1997, you testified
in

7 each of those years that greater than 50 percent of your
8 income was derived from medical-legal review?

9 A. Yes, that's probably true.

10 Q. And is that still true today?

11 A. Yes.

of 12 Q. In fact, at one point, it was 69 to 70 percent
13 your income was derived from medical-legal review?
14 A. Well, actually, I have had some health
problems, 15 as you well know, since you've obviously read my -- some
16 previous depositions of mine. And there have been some
17 periods of time when, basically, a hundred percent of
what 18 I've made has been from consulting or various sorts
because 19 I wasn't able to be clinically active.
20 MR. FREEMAN: Other than "There have been
21 some times where basically 100 percent of my income has
been 22 from reviewing cases," everything else would be
23 nonresponsive, to which I will object.
24 Q. (By Mr. Freeman) Again, that's not directed
at 25 you, sir. I say that only for purposes of the record.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

32

cases, 1 Over the years, you've reviewed hundreds of
2 haven't you, sir?
3 A. If you include cases that I do in the clinical
4 arena not involving forensic issues, thousands of cases.
5 Q. My question was unclear, and that was my
fault. I

of 6 apologize. Over the years, you have reviewed hundreds
you, 7 medical-legal cases for Plaintiffs' lawyers, haven't
8 sir?

9 A. And Defense lawyers.

10 MR. HAYES: Objection, nonresponsive.

11 Q. (By Mr. Freeman) Over the years, you have
12 reviewed hundreds of cases for Plaintiffs' lawyers in
the 13 medical-legal context, haven't you, sir?

14 A. Yes.

15 Q. Now, you tell me that you also reviewed some
cases 16 for Defense lawyers.

17 A. Sure.

18 Q. And you've testified previously that you did
that 19 on a 50/50-type basis?

20 A. I don't keep track. Those are strictly
estimates 21 or even guesses. I mean, I just don't simply keep those
22 statistics.

23 Q. That would be a guess, wouldn't it, sir?

24 A. Sure.

25 Q. And the fact of the matter is, it would be
more

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

that 1 accurate to say that 98 and a half percent of the cases
2 you review, you review for Plaintiffs' lawyers in the
3 medical-legal context; isn't that true, sir?
4 A. How would I ever know, and how would you ever
5 know? I can tell you that I have some reasonably good
6 suspicion that by the time we get to court, 80 to 90
percent
7 of what I do, in terms of court testimony, involves
8 Plaintiffs. But 98 and a half percent, I don't think
so.
9 Q. You review about three cases for a Defendant
for
10 about every 130 cases for a Plaintiff. Does that
statistic
11 sound reasonably accurate to your recollection, sir?
12 A. No.
13 Q. Now, you indicated that you had some health
14 problems. I think in 1993, you had some health
problems,
15 didn't you, sir?
16 A. 1993?
17 Q. Why don't we go at it this way: The -- I
don't
18 want to get into a detail of your health. Can you tell
me
19 in general terms how your health condition has affected
your
20 part-time work in emergency rooms since '87 --
21 A. Sure. In --
22 Q. -- without getting into detail?
23 A. Sure. In -- sometime in '87, sometime in the
fall

leg, 24 of '88, I had a severe fracture of -- involving my left
had 25 and, basically, was off for a year on crutches and have

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

34

since 1 some significant intermittent problems with that leg
2 then
3 And then in the fall of '96, I was bitten by a
4 brown recluse spider necessitating amputation of three
toes.
5 I was off from basically November of '96 through July of
6 '97. I returned to work, had a relapse of a wound
7 infection; was off from November of '97 until September
of
8 '98.

9 Q. And then in September of '98, you went back to
10 the -- or you went to for the first time to --

11 A. Rush University Medical School.

12 Q. Is that Rush St. Luke's?

13 A. Right.

14 Q. Now, in May or, I guess, June 1987, you left
the

15 University of Chicago?

16 A. That's correct.

17 Q. You didn't start at the other hospital working
on

year 18 a part-time basis until June of 1988, which is about a

19 later --

20 A. Actually, April.

was 21 Q. Do you recall testifying previously that it

22 June?

23 A. It wasn't June. It was April.

24 MR. FREEMAN: That would be
nonresponsive

25 Q. (By Mr. Freeman) Do you recall ever
testifying

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

35

1 that it was June?

2 A. No.

fall of 3 Q. Okay. You didn't break your leg until the

4 1988?

5 A. True

time 6 Q. So the -- from June until, as you say, April

7 period -- which is, what, some 10 months?

8 A. Yes.

9 Q. -- you were not practicing medicine because of
10 your broken leg?

11 A. That's true.

and 12 Q. During that time period, I take it you founded

13 became the president and CEO of Professional Medical
14 Consultants, Limited?
15 A. Well, actually, I did that, I think, before I
left
16 the university. I think I did that in '87 sometime.
17 Q. That is the organization through which you do
your
18 medical-legal consulting?
19 A. Yes. Actually, it's a Subchapter S
corporation.
20 And it's not limited, it's incorporated.
21 Q. Did it used to be called L-t-d?
22 A. I don't think so.
23 Q. But it's now -- or whether it used to be or
not,
24 it's I-n-c, Inc.?
25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

36

1 Q. And when we look at the billing records, will
they
2 be through Professional Medical Consultants, Inc.?
3 A. Yes.
4 Q. From June of 1988 through April of 19 -- or
June
5 of '87 -- let me start over, please. I was -- I got
6 tongue-tied.

7 From June of 1987 through April of 1988, I
take

8 it your sole income-producing endeavor was through your
9 legal consulting work through Professional Medical
10 Consultants, Inc.?

11 A. Yes, that's true.

12 Q. Otherwise, what did you do during that time
13 period? Did you go off and go fishing?

14 A. That's exactly what I did.

15 Q. Where did you go off and go fishing for 10 months?

16 A. Well, wherever I could find.

17 Q. Could you tell me about this trip?

18 A. It wasn't a single trip. My wife and I
traveled.

19 In fact, we started traveling before I left the
university.

20 Q. In any event, in either April or June of 1988,
you

21 came back to MacNeal?

22 A. Yes.

23 Q. As a part-time emergency room staff physician?

24 A. Yes.

25 Q. I take it MacNeal's licensed to be about 350
beds?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 A. Actually, MacNeal is licensed at 437 beds.

2 Q. But they don't operate at 437 beds, ■ take it?
3 A. When I left, they were operating about 145
beds.
4 Q. And at how many beds was the University of
Chicago
5 operating when you left there?
6 A. The University of Chicago, I think, is
licensed
7 for about 750, and I suspect they were operating about
550
8 maybe.
9 Q. About 600?
10 A. Yeah, something like that.
11 Q. Have you ever advertised your willingness to
do
12 medical-legal reviews?
13 A. No.
14 Q. And number nine on the duces tecum, I believe,
15 asks for any advertising with respect to medical-legal
16 reviews. You don't have any such documents?
17 A. Doesn't exist. Never been done.
18 Q. Have you ever gotten medical-legal cases to
review
19 for Plaintiffs' lawyers through referral agencies?
20 A. Yes. Actually, I once received a case from an
21 outfit in, gosh, either New York or New Jersey or
something
22 called the -- oh. National Medical Advisory.
23 Q. And that was a clearinghouse that would put
24 Plaintiffs' lawyers and folks willing to review their
cases
25 together?

38

I 1 A. Actually, I'm not sure what it is, other than
2 believe it's either a physician or it's owned by some
3 physicians. It does find expert witnesses. I don't
know 4 whether it does it for Plaintiffs, Defense attorneys or
5 both, but I did review a case for them.

6 Q. And that case was on behalf of a Plaintiff or
for 7 a Plaintiff?

8 A. I think that that case was on behalf of a
9 Plaintiff. I -- I'm sort of guessing, but I think so.

10 Q. And there are other agencies, similar
agencies, 11 through which you have gotten cases, aren't there, sir?

12 A. I have received -- yes, that's true. I have
13 received one case from, I think, a now-defunct
organization 14 in Detroit that did almost exclusively Defense work
called 15 EPT Enterprises, and I think -- yeah, there's a group of
16 nurses in South Florida, Palm Beach Medical Consultants,
who 17 I think primarily does Plaintiffs as well who have sent
me 18 cases.

19 Q. Actually, it's West Palm Beach Medical
20 Consultants, isn't it, sir?

21 A. No, I don't think so. I think it's Palm Beach
22 Medical Consultants.

23 Q. Have you ever done it for West Palm Beach
Medical Consultants?
24

25 A. We're probably talking about the same group of

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

39

1 folks, but I don't think that's their correct name.

2 Q. And the fact is they do it exclusively for
3 Plaintiffs, don't they, sir?

4 A. I don't know. I have nothing to do with the
5 organization officially.

6 Q. I see. But you're familiar with the
organization,
7 aren't you? You've said you've done a number of cases
for
8 them over the years?

9 A. Sure.

10 Q. You've done a lot of case in Florida?

11 A. That's true.

12 Q. And you're familiar with West Palm Beach
Medical

13 Consultants -- or, I guess, Palm Beach Medical
14 Consultants, whatever their name is -- the relationship
and
15 affiliation they have with the Montgomery Searcy law
firm?

16 A. Yes.

17 Q. Tell the jury what that relationship is
between
18 West Palm Beach Medical Consultants and the old
Montgomery
19 Searcy law firm.

20 A. Actually, I don't -- I don't really know,
because
21 I'm not privy to their corporate papers.

22 Q. I see. But you've done a lot of cases for
Searcy,
23 haven't you --

24 A. Yes.

25 Q. -- over the years?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

40

1 A. Yes.

2 Q. And you know that they're a Plaintiff's firm?

3 A. Sure.

4 Q. **And** they're name is no longer Montgomery
Searcy.
5 That's changed some time ago?

6 A. That's right. Mr. Montgomery left the firm,
and
7 it's now called Searcy Denny and a half dozen other
names.

8 Q. There are three other names?

9 A. I don't know.

of 10 Q. In any event, for them, you have been critical
11 physicians in West Palm Beach, Florida in cases that you
12 have reviewed, haven't you, sir?
13 A. That's true.
14 Q. Been critical of physicians in Miami?
15 A. Wherever the cases come from. I mean --
16 Q. Sarasota?
17 A. I don't keep track of the towns or cities out
of 18 which these people practice.
19 Q. Do you recall Sarasota?
20 A. Off the top of my head, no.
21 Q. Do you recall Tampa?
22 A. No.
23 Q. Do you recall Tallahassee?
24 A. I have done cases up in Tallahassee. I've
done a 25 Defense case up in Tallahassee. I don't know about a

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

41

1 Plaintiff's case.
2 Q. Do you recall Pensacola?
3 A. I have reviewed a case out of Pensacola but
not 4 for the Searcy Denny firm. At least, I don't recall
them. 5 Q. It was for another law firm, actually, in

6 Pensacola?

7 A. Yes.

8 Q. But it was for a Plaintiff?

9 A. It was for a Plaintiff.

10 Q. Orlando?

11 A. I've done cases in Orlando for both Plaintiff
and

12 Defense.

13 Q. Can you give me the name of the Defense lawyer
in

14 Orlando for whom you've ever done a case?

15 A. Sure. There's a fellow by the name of John
Black

16 who last sent me a case maybe six months ago.

17 Q. Do you know what firm John Black is with in

18 Orlando?

19 A. I think his own law firm.

20 Q. The name of the firm is John Black?

21 A. I think so.

22 Q. Jacksonville?' Ocala? Coral Gables? Do you

23 recall cases in those?

24 A. Not off the top of my head, no.

25 Q. Are there any cities in Florida where you have

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

42

I've 1 been critical of physicians other than the cities that

2 Just mentioned?

3 A. I don't keep track of where cases come from
4 whether I'm either defending or critical of physicians
or
5 nurses or hospitals.

6 MR. FREEMAN: Objection, nonresponsive.

7 Q. (By Mr. Freeman) You have been critical of
8 physicians in over half of the United States, haven't
you,
9 sir?

10 A. That's true. You mean state-wise?

11 Q. Yes, sir.

12 A. Sure.

13 Q. Do you know how many different states that you
14 have been critical of physicians?

15 A. I think -- well, I have reviewed cases from
just
16 about every state except Hawaii, but I can't tell you
off
17 the top of my head whether they would have been Defense,
18 Plaintiff or both.

19 Q. Obviously, you've been critical --

20 A. I guess the answer is no, I don't know
exactly. I
21 mean, you asked me about whether I was a Plaintiffs'
expert
22 witness in how many states. I can't tell you.

23 Q. Okay. Well, you have at least been a
Plaintiffs'
24 expert against physicians in Indiana?

25 A. Yes.

1 Q. Kentucky?
2 A. Yes.
3 Q. Wisconsin?
4 A. Yes.
5 Q. Illinois?
6 A. Yes.
7 Q. Kansas?
8 A. Yes.
9 Q. Missouri?
10 A. Yes.
11 Q. Louisiana?
12 A. Yes.
13 Q. Ohio?
14 A. Yes.
15 Q. Both in Cincinnati and Columbus and also in
16 Cleveland?
17 A. I don't keep track of cities.
18 Q. Okay. Pennsylvania?
19 A. Yes.
20 Q. New York?
21 A. Yes.
22 Q. Massachusetts?
23 A. Yes.
24 Q. North Carolina?

25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

44

1 Q. South Carolina?

2 A. South Carolina. Don't know.

3 Q. If I gave you the name, would that refresh
your

4 recollection in South Carolina?

5 A. It might.

6 Q. Okay. I'll do that in a minute. Arkansas?

7 A. Don't remember any in Arkansas. That doesn't
mean

8 it doesn't exist, but I don't recall.

9 Q. Oklahoma?

10 A. Yes.

11 Q. Washington, D.C.?

12 A. Yes.

13 Q. Michigan?

14 A. Yes.

15 Q. Rhode Island?

16 A. Maybe. I don't recall off the top of my head.

17 Q. West Virginia?

18 A. Yes.

19 Q. Maryland?

20 A. Yes.

21 Q. Georgia?

22 A. Yes.
23 Q. Connecticut?
24 A. Yes.
25 Q. Minnesota?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

45

1 A. Yes.
2 Q. Have I missed any that you can recall as we
sit
3 here today where you have had cases where you have been
4 critical in a health care provider's taking care of a
5 patient?
6 A. Well, sure. You missed Texas.
7 Q. That's right. You had a case in Houston.
You've
8 had at least one case in Houston, haven't you, sir?
9 A. Well, I had a case with Mr. Weisbrod, but I
don't
10 remember where it was from.
11 Q. And I'll get to that one in a minute. But you
had
12 another case in Houston that didn't involve Mr.
Weisbrod,
13 didn't you?
14 A. I don't know.
15 Q. You had a case in Sherman?
16 A. Sherman?

17 Q. Uh-huh. Sherman, Texas.
18 A. I don't know.
19 Q. Do you even know where Sherman, Texas is?
20 A. No, not off the top of my head.
21 Q. In fact, do you recall the name of the
hospital --
22 could I see the medical records, sir?
23 A. Methodist.
24 Q. Okay. It's Methodist, I think, H-E-B?
25 A. Right.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

46

1 Q. What's H-E-B stand for?
2 A. I don't know. I asked Dr. Smith this morning,
and
3 he didn't know what H-E-B meant either.
4 Q. I see. Did you ask Mr. Smith to go check and
find
5 out what H-E-B meant?
6 A. No.
7 Q. Do you know where H-E-B is located?
8 A. No.
9 Q. Do you know what town --
10 A. Greater -- greater Dallas area, I was told.
11 Q. Do you know what town H-E-B is located in?
12 A. Specifically?
13 Q. Yes, sir.

14 A. No.

15 Q. Now, do you recall the Kelton versus Elliott
Raja,
16 R-a-j-a, Schiffer, S-c-h-i-f-f-e-r, and Lester, L-e-s-t-
e-r,
17 case?

18 A. No.

19 Q. Do you recall a case where you were not
allowed to
20 testify in a court of law in the State of Florida?

21 A. Not that I know of.

22 Q. Do you recall a case where you were
disqualified
23 from testifying?

24 A. Not that I know of.

25 Q. Nobody ever told you that you have been

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

47

1 disqualified and not allowed to testify in a court of
law?

2 A. Well, an attorney asked me that question and
3 pulled out a list and said that I had been disqualified
from
4 a case -- at least that's what he claimed -- but I have
5 never been informed by either a Court or by an attorney
that
6 I was disqualified. So, you know, that's -- I'd have to
7 take his word for it. And why would anybody take a word
of

8 an attorney?

9 Q. I *see*. Did he tell you it was 1997?

10 A. I have no -- I don't remember.

11 Q. Did he tell you the name of the case?

12 A. Well, I think -- you know, as you mention it,
I
13 think Kelton was the name of the case, yeah.

14 Q. Did he tell you why it was that you were
you
15 disqualified for testifying in that case when he gave
16 whatever explanation he gave you?

17 A. You mean why he alleges that I was
disqualified.

18 Q. Yes, sir.

19 A. No, he didn't tell me. Or at least I don't
20 remember him telling me.

21 Q. Did you ever go down to Florida to testify in
the
22 Kelton case?

23 A. Not that I recall, no.

24 Q. Did you ever ^sget on the stand and have anybody
25 cross-examine you in the Kelton case?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

48

1 A. I don't remember the case at all.

2 Q. Do you recall giving a deposition in the
Kelton

3 case?

4 A. No.

5 Q. Do you recall what the facts of the Kelton
case

6 were?

7 A. No.

8 Q. Have you been provided with a copy of the
draft

9 transcript of Dr. Walton in this case?

10 A. I don't know who Dr. Walton is.

11 Q. Have you been told what any of the other
experts

12 had to say in this case?

13 A. No.

14 Q. Have you discussed that with Mr. Smith or
anyone

15 else from the Morgan & Weisbrod firm?

16 A. No.

17 Q. With whom else at the Morgan & Weisbrod firm
have

18 you spoken with respect to this case besides Mr. Smith?

19 A. Well, let's look at the cover letters.

20 Q. Sure.

21 A. Actually, I don't remember anyone else, but
this

22 case goes back, I suppose, more than a year. Well, I
don't

23 know. Maybe not -- maybe less than a year, but I don't

24 recall talking to anybody else.

25 Q. Okay. And I take it that either yesterday or

or to 1 today you met with Mr. Smith to go over the deposition
today? 2 have some discussion about what we were going to do

3 A. Yes. He and I had breakfast this morning.

with 4 Q. Prior to that, have you ever met in person
Weisbrod 5 Mr. Smith or anyone else with the law firm Morgan &
6 with respect to this case?

7 A. No.

person 8 Q. Prior to that, had you met with anyone in
reviewed 9 regarding the other case or cases that you may have
10 for Morgan & Weisbrod?

my 11 A. Just Mr. Weisbrod when he came up here and did
ago. 12 deposition in whatever Case that was a couple of years

some 13 Q. Okay. Dr. Walton is somebody who's provided

14 testimony for the Plaintiffs in this case, and I'll just
15 tell you that. But would you disagree with him that the
16 practice of medicine is an art as well as a science?

17 A. No.

18 Q. Would you agree that the art of practicing
19 medicine deals in part with the exercise of clinical
20 judgment?

21 A. Sure.

and 22 Q. Clinical judgment is what a physician believes
on 23 thinks is going on in a case based in part, I take it,
24 their training, education and experience?

25 A. That's true

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

50

hear by 1 Q. And also based on what they see, what they
what 2 way of history, what they feel by way of palpation and
of 3 they sense as going on with the patient by the exercise
the 4 all of their senses as they then and there interact with
5 patient?

6 A. Sure.

no 7 Q. I think you've testified before that there is
I'll 8 symptom for any kind of disease process that is -- and
9 get this wrong because it's -- pathognomonic?

10 A. Pathognomonic.

11 Q. Pathognomonic. P-a-t-h-o-g-n-o-m-o-n-i-c, I
12 think.

13 A. Yeah. I think that that's generally true. I
14 mean, at least as we sit here, I can't think of an
exception

15 to that.

16 Q. Generally true as the spelling or the --

17 A. No, the general -- the statement is generally
18 true.

19 Q. Okay. The -- and just so it's clear -- and I
20 think it is. But for the record, you were not ever
there to
21 interact with the patient that is involved in this case,
22 Delores McLean, were you, sir?

23 A. Well, of course not.

24 Q. You would agree with me that physicians
sometimes
25 disagree in the exercise of their judgment?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016'

51

1 A. Sure.

2 Q. That happens all the time, doesn't it, sir?

3 A. Sure

4 Q. And in the hospitals in which you practice or
in
5 which you're employed part time as an emergency room
6 physician and in which you staff, you've had
professional

7 disagreements with colleagues there, haven't you, sir --

8 A. Sure.

9 Q. -- with respect to the exercise of your
10 professional judgment?

11 A. Yes.

12 Q. And that, in and of itself, does not
necessarily

13 mean that you or your colleagues are negligent or wrong,
14 does it, sir?

15 A. Of course not.

16 Q. That just kind of goes with the nature of the
17 practice of medicine and the exercise of judgment,
doesn't

18 it, sir?

19 A. Yes, sir.

20 Q. And you would expect physicians to exercise
21 clinical judgment when they're taking care of a patient?

22 A. Sure.

23 Q. That's an important part of the practice of
24 medicine, isn't it?

25 A. Sure.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

52

before, 1 Q. You've heard the term "retrospectoscope"

2 haven't you, sir?

3 A. Sure.

4 Q. In fact, you probably discussed that with
5 Mr. Smith this morning, didn't you, sir?

6 A. Yes.

7 Q. A retrospectoscope is not a real medical

8 instrument, is it, sir?

9 A. That's correct.

10 Q. If it was, we'd probably all in this room like
to
11 have a patent on it. Wouldn't that be true?

12 A. That's true.

13 Q. A retrospectoscope is a medical way of saying
that
14 hindsight is 20/20, isn't it, sir?

15 A. Hindsight is 20/20.

16 Q. What we're talking about is a matter of
17 perspective, is it not?

18 A. Yes.

19 Q. When you're taking care of a patient in the
20 emergency room, you're generally treating a patient
21 prospectively, aren't you?

22 A. Of course.

23 Q. When you are reviewing a case such as this,
you're
24 doing it from a different perspective, aren't you, sir?

25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

53

1 Q. You're doing it retrospectively?

2 A. That's correct.

3 Q. That means you're looking back having the
benefit

of a of knowledge that the people then and there taking care
5 the patient would not have had?
6 A. That's true.
7 Q. For example, in this case, I take it, one of
the
8 things that you have reviewed has been the autopsy
report?
9 A. True.
10 Q. In this case, when you were originally
contacted
11 in the case, you were told what the ultimate outcome
was,
12 weren't you, sir?
13 A. Probably. I don't recall, but probably.
14 Q. And when you reviewed the records initially,
you
15 had the benefit of the autopsy report?
16 A. Yes, sir.
17 Q. And that was something that -- that was
18 information that none of the health care providers that
had
19 previously taken care of the patient had the benefit of,
20 obviously, when she was alive when they were trying to
take
21 care of her?
22 A. Obviously.
23 Q. So you've not been in a position, in your
review
24 of this case, similar to the position that the health
care
25 providers were when they were taking care of the
patient;

54

1 isn't that true?

at

2 A. Well, I think that that's generally true even
3 though, obviously, I read the ER records and formed some
4 opinions before I got to the postmortem exam, which is
5 the end of the pack of materials. But I think you're
6 probably right, because I suspect that I, at least, had
7 known from a telephone conversation what the ultimate
8 problem was.

you

the

9 Q. You've testified previously that in medicine
10 try to do what lawyers try to do, and that is to look at
11 preponderance of the evidence and come up with a single
12 explanation?

13 A. Yes, that's true.

case

14 Q. 'And I think you indicated that in the Griffin
15 and also in the Weber case?

remember

16 A. I don't know whether -- I actually don't
17 those cases. But that is indeed how we practice

medicine,

18 and that's how I have testified in the past.

19 Q. And you try to exercise your judgment as a
20 physician to find what you think is going on with the

standard 10 think that charting makes or doesn't make for the
11 of care. It's what we do for a patient. And hopefully,
12 most of it gets to the charts, and if it doesn't, that
13 doesn't mean that there was a deviation of the standard
of 14 care.

15 Q. (By Mr. Freeman) Okay. And I think you
explained 16 it in the Parker versus Arlington case by indicating the
17 records may not be precise because by and large you try
to 18 be precise as to what you're doing with the patient as
19 opposed to taking care of the chart?

20 A. That's true.

21 Q. You'd agree with me, sir, wouldn't you, that a
22 patient has an obligation as a reasonable and prudent
23 patient to follow reasonable recommendations of the
24 physicians and health care providers?

25 A. Sure.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

57

do, 1 Q. And that's what you would expect patients to
2 and that's what you want patients to do when you give
them 3 advice, recommendation, orders and things of that
nature?

4 A. Sure.

5 Q. But they don't always follow your
recommendations

6 as a reasonable and prudent patient, do they, sir?

7 A. That's true.

8 Q. In fact, if they don't follow your
recommendation,

9 it can make it more difficult to diagnose and treat the
10 patient; isn't that true?

11 A. It can.

12 Q. In part, it can be more difficult to diagnose
the

13 patient, because part of your diagnosis is based on
their

14 response to what you understand their treatment to have
15 been?

16 A. That's also true.

17 Q. And it will make it more difficult to treat,
18 because if a patient is not following your treatment,
advice

19 and recommendations, then whatever course it is that you
20 have recommended can't be of any benefit if they don't
21 follow it?

22 A. That's true.

23 Q. You've had patients in the past yourself where
24 you've asked them, Have you followed the treatment,
25 recommendation, advice? Have you taken your
medications?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

patient, 1 I mean, you've gone through that scenario with a
2 haven't you?
3 A. Sure.
they 4 Q. And you've had patients that just by the way
you 5 hesitated and the way they looked at you when they told
that 6 yes that you knew that they hadn't. I mean, you've had
7 experience, haven't you, sir.
8 A. Well, as a matter of fact, there's some pretty
9 good data out there to suggest that 80 percent of the
follow 10 patients, fully 80 percent of the patients, do not
words, 11 exactly instructions that they're given. In other
all 12 they don't take all of the medicine, they don't take it
their 13 on time, or you know, there's some variation anyhow in
14 implementing your instructions.
at 15 Q. That's based on a study in the late '70s done
isn't 16 the University of Chicago in which you had some input;
17 that true, sir?
18 A. Well, actually, not that particular study, no
19 Actually, the study you're referring to is one in which
we 20 looked at a very small part of that, and that was
whether or 21 not patients went for follow-up.

22 Q. That was the referral study?
23 A. Right.
24 Q. And you found that 60 percent of them wouldn't
25 even follow directions with referrals?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

59

1 A. That's true.
2 Q. And with respect to actually taking
medications,
3 as opposed to referrals, the patients would violate what
you
4 would want them to do as a reasonable and prudent
patient
5 80 percent of the time?

6 A. That's true.

7 MR. FREEMAN: Could we take a rest room
8 break, please?

9 (Recess at 12:33.)

10 (On the record at 12:57.)

11 Q. (By Mr. Freeman) I had told you -- are you
ready
12 to go?

13 A. Yes.

14 Q. I had mentioned that I would find the name of
a
15 case to see if that refreshed your recollection on one
of

or
which
16 the states or cities, and I can't remember which state
17 city we were talking about earlier. Do you remember
18 one --
19 A. No, sir.
20 Q. -- that was? In Texas, you've also testified
21 in --
22 MR. STEED: South Carolina.
23 MR. FREEMAN: Was it South Carolina?
24 MR. STEED: I think so.
25 Q. (By Mr. Freeman) In any event -- let me start

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

60

doctors
1 over with the question. You have testified against
2 in Beaumont, Texas?
3 A. I don't know.
4 Q. Do you recall working with the Humphries law
firm?
5 A. Not off the top of my head, no.
6 Q. Do you know where Beaumont is with respect to
7 wherever the hospital's located that's involved in this
8 case?
9 A. No.
10 Q. And in Arkansas, does the Begay, B-e-g-a-y,
case

doctors 11 refresh your recollection as to testifying against
12 there?
13 A. Yeah. That name is familiar, Begay. I don't
have 14 a recollection of what the case involves, but I
recognize 15 that name.
16 Q. And one state I think that we forgot to
mention 17 was Montana. You've testified against health care
providers 18 in Montana as well, haven't you?
19 A. I think I reviewed a case for an attorney in
20 Montana. I don't recall whether it ever came to a
21 deposition or not.
22 Q. Was that in Butte, Montana? B-u-t-t-e, I
think. 23 A. I don't remember.
24 Q. *And* in Rhode Island, it was the Obuchon,
25 O-b-u-c-h-o-n, case. Does that refresh your
recollection?

§
PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

61

to 1 A. I recognize that name, but I don't relate it
2 Rhode Island. I recognize the name.
3 Q. And in Connecticut, the Giannotti,
4 G-i-a-n-n-o-t-t-i, case? Does that refresh your

5 recollection there?

6 A. No.

7 Q. And in Sarasota, the Pflung, P-f-l-u-n-g,
case.

8 Does that refresh your recollection there?

9 A. No.

10 Q. Now, on the Exhibit 9 pages from medical
11 literature --

12 A. Yes, sir.

13 Q. -- you have the cover page from one of the
books?

14 A. Yes.

15 Q. Blood gases, correct?

16 A. Yes, sir.

17 Q. And you don't have the cover page for the
other

18 book. Which one was that?

19 A. There were two. The one graph, the sigmoid
curve,

20 is from "Emergency Medicine Concepts and Clinical
Practice"

21 by chief editor Peter Rosen. It's the fourth edition.
And

22 the chart that relates oxygen saturation of PO2 is from
23 Tintinalli's book, the third edition.

24 Q. Okay. And Tintinalli's book is the red one,
the

25 big red book --

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 A. Yes.

2 Q. -- eight and a half by 11?

3 A. Right. One volume.

4 Q. Okay. And the other book is one that in the
first

5 edition, second edition you had something to do with

6 yourself?

7 A. That's correct. I wrote the chapters on
cardiac

8 arrest and also was an associate editor.

9 Q. But you didn't have anything to do with the
third

10 volume -- or third edition or the fourth edition, have
you,

11 sir?

12 A. That's correct

13 Q. Okay. And I understand from previous
testimony

14 that textbooks, like anything else, are pretty much out
of

15 date -- a year out of date, year and a half out of date
--

16 by the time they hit the streets?

17 A. Well, some things. I mean, it's like sort of

18 saying the encyclopedia's out of date when it gets

19 published. That's not entirely true. The vast majority
of

20 things in it are indeed still factual. I mean, you
can't

21 change the fundamental structure of the skull. It's

22 skull.

23 But there are sort of cutting-edge therapies
or
24 maybe even diagnostic techniques which have been
developed
25 since the book went to press. So to some extent, some
of

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

63

1 those things may be outdated.
2 Q. Okay. Medical thinking on handling problems,
3 different areas, changes over time, doesn't it?
4 A. Sure.
5 Q. And the new editions tend to reflect those
changes
6 over time?
7 A. Yes.
8 Q. And that's why in addition to medical
textbooks
9 that come out in different editions over the years,
medical
10 journals come out on a whole variety of medical topics
on
11 monthly, weekly and other bases?
12 A. Yes, that's true, although there's a
fundamental
13 difference between journals and textbooks, because by
the
14 time something hits a textbook, it's fairly well agreed
on
15 or at least it's recognized in the textbook that it's a

16 controversial issue and maybe not agreed on, unlike
journzls
17 in which a substantial number of the articles may not be
18 generally accepted.

19 And there's sort of research that is ended --
or
20 is pointed in going in some direction, and it doesn't
make
21 it into a reference textbook until it's been confirmed
by
22 other studies.

23 Q. But by that, you're not vouching for all
24 textbooks, are you?

25 A. Oh, no, not at all. And in fact, you know, I

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

64

1 don't think there has ever been a single textbook that
one
2 could say is authoritative in its entirety. I mean, you
3 might find a chapter that's authoritative or a page or
two.
4 But to say an entire textbook is authoritative in terms
of
5 how you folks use the term, meaning -- or at least my
6 interpretation -- that it's sort of irrefutable and the
last
7 word on a topic, I don't think you can say that about an
8 entire textbook.

9 MR. FREEMAN: Objection, nonresponsive.

10 Q. (By Mr. Freeman) With respect to the -- and
11 that's not directed at you, sir. I say that only for
12 purposes of the record.

13 With respect to the three books that you have
14 cited to us by bringing copies of their pages, do you
15 believe that those three books are reasonably reliable
such
16 that I could go and look up and address issues that may
be
17 raised in this case?

18 A. I think they're reasonably reliable, yes.

19 Q. I think Dr. Walton told us about three days
ago,
20 on March 11th, in this case that on April 24th --

21 A. Yes.

22 Q. -- the first visit that the hospital ~~for~~ the
23 records, which I believe you have there in front of you,
24 that there's not a single sign or symptom that was
25 inconsistent with bronchospasm and sinusitis. Would you

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

65

1 agree with that?

2 A. Yes. Having a clear chest, I think, is
3 inconsistent with bronchospasm. The patient was noted
to
4 have a clear chest on physical exam, and that is indeed
a
5 finding on exam. And a normal pulmonary exam is not

6 consistent with having bronchospasm.

7 MR. FREEMAN: Objection, nonresponsive.

8 Q. (By Mr. Freeman) Dr. Walton also said on
9 March 11th that in May and June -- between the April and
10 July visits, that the patient indeed did not have a
11 pulmonary embolus. Do you agree with that?

12 A. No. I think actually the patient was probably
13 having recurrent emboli during this entire period
between
14 the two ER visits.

15 MR. FREEMAN: Objection, nonresponsive,
after
16 the first word.

17 Q. (By Mr. Freeman) Do you agree that on July
8th
18 that the patient did not have any signs or symptoms
19 inconsistent with pneumonia?

20 A. Yes. I believe that the patient did have
signs
21 and symptoms which were inconsistent with pneumonia.

22 Q. Dr. Walton told us that he could not swear
that
23 the patient indeed, in fact, had a pulmonary embolus on
24 April 24th. Do you disagree with that?

25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 MR. FREEMAN: I'd like to take a short --
2 just a short break.
3 Off the record.
4 (Recess at 1:07.)
5 (On the record at 1:08.)
6 MR. FREEMAN: Doctor, I believe I'll
reserve
7 the remainder of my questions until the time of trial.
I
8 think these other fine folks have a few questions they
want
9 to ask as well.
10 THE WITNESS: All right.
11 MR. FREEMAN: Thank you very much for
your
12 time.
13 THE WITNESS: Thank you.
14 E X A M I N A T I O N
15 (On the record at 1:09.)
16 BY MR. STEED:
17 Q. Doctor, my name^f is Joel Steed. I met you for
the
18 first time today, I believe; is that right?
19 A. Yes, sir.
20 Q. I understand -- I represent Dr. Novotny, one
of
21 the emergency room physicians. And for your sake of
22 recollection, I think he saw Mrs. McLean on about the
8th of
23 July prior to her coming to the hospital in arrest and
being
24 pronounced dead shortly after midnight on the 10th.
Does

25 that refresh your memory?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

67

1 A. Yes.

2 Q. I know you've done this before. Let me go
ahead

3 and have some agreements with you, though. If I ask you
4 something that you don't understand, will you make me
repeat

5 or rephrase my question before you answer it?

6 A. Yes, sir.

7 Q. If you answer without asking me to do any of
those
8 things, will it be fair for me to assume that you've
9 understood my question and answered it truthfully and
10 completely?

11 A. Yes, sir. ^s

12 Q. Do you understand that although the judge and
jury
13 are not here today and we're in an informal setting here
in
14 Chicago, your testimony is under oath and can be read to
the
15 Court and the jury at the time of trial?

16 A. Sure.

17 Q. For that reason, I want to make sure we're on
the
18 same page, so don't hesitate to stop me. Okay?

19 A. Right.

14 Q. Is it a captive group that the hospital
employs,
15 or do they interview and screen and hire and fire
doctors on
16 a one-by-one basis?
17 A. Oh, no. It's -- it's not a captive group. I
18 mean, each faculty member is hired or fired on, you
know,
19 his own by the department chairman, who is also a
hospital
20 employee.
21 Q. Okay. What is your current faculty position?
22 A. I was offered a full professorship in
medicine.
23 The hospital does not have an emergency medicine
department,
24 and it's the department of medicine that runs the
emergency
25 services division.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

69

1 Q. Same thing as internal medicine?
2 A. Oh, yes. That's what I meant. Internal
medicine,
3 yes.
4 Q. And when did you receive that position?
5 A. Well, I started in September. Actually, I was
6 offered the position in January, but because of health
7 reasons had to put off starting until September.

September 8 Q. Offered in January of '98 and took it in
9 of '98 due to health problems?
10 A. Yes.
11 Q. Have you ever been a tenured professor at any
12 medical school or university?
13 A. No
14 Q. Have you ever been up for tenure at any
medical 15 school or university?
16 A. No.
17 Q. Basically, then, on a day-to-day basis, how
much 18 time do you spend in the clinical practice of emergency
19 medicine at a hospital? Is that the 24 hours last week
that 20 you referenced? Is that hands-on patient care?
21 A. Yes.
22 Q. So the range would be -- last week was 24
What's 23 the maximum number of hours you've worked in a week
since 24 September of '98, and what's the minimum?
25 A. Oh, gosh. I mean, I haven't worked more than
24

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

70

for 1 hours a week since September of '98. In fact, I was off

March 2 some more surgery between January and just, oh, early
3 for some more foot surgery. And at least for the
4 foreseeable future, I don't see myself working more than ;
5 three shifts a week.

6 Q. When you were interviewed, did you let them
know
7 about your health problems and tell them that because of
the
8 health problems, you weren't going to be able to work
more
9 than, say, a maximum of three shifts per week?

10 A. Oh, sure. They knew that one of the reasons
why I
11 was going back into academic medicine was because
physically
12 my injured foot could not take the stress of working as
a
13 primary physician in an emergency department in a busy
14 community hospital. And because of my physical needs, I
was
15 coming back to academic medicine and that teaching was
going
16 to be less stressful physically than primary care was.

17 Q. . And when you say "academic medicine," do you
mean
18 a job where you have a private practice in that hospital
and
19 you also had teaching responsibilities?

20 A. Well, what I mean in terms of emergency
medicine
21 specifically is that I have teaching responsibilities,
and I
22 will be at a university hospital where a major part of
the

staff, 23 emergency department staffing is done by a resident
24 unlike the private hospital or community hospital that I
was
25 at. We only had a resident staff about half the time.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

71

1 Now on any given shift, I have about four
2 residents and maybe an intern or two and a couple of
medical
3 students. So I get to do a lot more teaching and
actually
4 have less physical stress on my foot.

5 Q. Okay. Let's take last week, since that's
fresh on
6 your memory, where you worked in the ER 24 hours, three
7 shifts. In addition to that, what did you do
professionally
8 last week? Just give us an idea or sketch of what your
week
9 was like besides working in the emergency room.

10 A. well, let's see. I mean, actually, i don't
11 remember, but I can tell you that I worked in the ER on
12 Thursday from four till midnight, on Friday from four
till
13 midnight and on Saturday from noon to 8 p.m. You're
going
14 to ask me what I did Monday, Tuesday and Wednesday, and
I
15 don't remember.

16 Q. All right. Did you have any teaching
17 responsibilities last week, any courses, or are all of
your
18 teachings didactic, working with residents?
19 A. No. All my teaching is -- or at least at this
20 point -- I mean, you know, basically, I've just started
this
21 job. All my teaching at this point has been bedside
22 teaching with resident staff. It's clinical teaching.
It
23 involves specific patients. And I have not done any
formal
24 didactic teaching for this group of resident staff.
25 Q. So you have no classroom responsibilities or

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

72

1 anything?
2 A. Yeah, that's generally true. Most clinical
3 physicians, we don't have classes that, like, meet on a
4 particular day of the week at a particular hour.
5 Q. Did you do anything else, then, professionally
for
6 income generation or to make a living last week other
than
7 the 24 hours worked at the hospital?
8 A. I don't remember what I did on Monday, Tuesday
and
9 Wednesday.
10 Q. Did you give depositions any last week, or did

11 you meet with lawyers any last week?
12 A. No, I don't think so.
13 Q. Didn't testify at trial last week?
14 A. No.
15 Q. Have you ever treated a patient that you
diagnosed
16 to have pulmonary embolism?
17 A. Sure.
18 Q. And how many times in your career?
19 A. Oh, gosh, hundreds.
20 Q. Can you be more specific than hundreds? Is it
21 close to 300? Close to a hundred?
22 A. I have no idea. I mean, I've been practicing
25
23 years, and it's not an infrequent diagnosis.
24 Q. How many years, then, does that hundreds of
25 pulmonary embolism diagnoses encompass?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016
r

73

1 A. Twenty-five. Actually, I've been an intern
since
2 1971, so it's pushing 30.
3 Q. Have you, on each of those hundreds of
occasions,
4 made the diagnosis of pulmonary embolism yourself? Or
has
5 it been mixed where, for instance, on occasion you, as
an

6 emergency room physician, would consult with a
specialist
7 and the specialist would make the diagnosis of the
pulmonary
8 embolism?
9 A. Well, the diagnosis of pulmonary -- the answer
is
10 that it's a mixture of both. The diagnosis of pulmonary
11 embolus is not always apparent from the first test that
one
12 does. And frequently, the definitive diagnosis isn't
made
13 until after the patient becomes an inpatient and
14 specifically after pulmonary imagery has been done,
which we
15 don't do out of the ER.
16 Q. It is true, is it not, that frequently
pulmonary
17 embolism is not diagnosed by the emergency room
physician
18 but rather diagnosed at some later date following
admission
19 to the hospital by some other primary care physician, **be**
it
20 internal medicine physician, pulmonologist, someone
else?
21 A. Sure.
22 Q. In the hundreds of pulmonary embolisms that
you've
23 been involved in diagnosing, just give us, if you can,
an
24 estimate of the percentage of those where you made the
25 initial diagnosis versus someone else after you making
that

74

1 diagnosis.

in

2 A. Oh, gosh, I'd have no way of even putting you
3 the ballpark.

4 Q. Would 50/50 split even be in the ballpark?

Whether

5 A. I mean, I suppose that's unreasonable.

-

6 that would be 75/25 or 25/75, I mean, I don't have any -
7 any reasonable way to take even guesses as to how those
8 numbers work out.

so

9 Q. Fair enough. And I don't want you guessing.

been

10 you would agree with me that in the times where you've
11 called upon to make a diagnosis of pulmonary embolism or
12 have had the occasion to make it, many times you've made
13 that on your own, but also many times it's been you
14 assessing a patient, having a patient admitted and then
15 someone else, some other specialist, making the

diagnosis?

you

16 A. Well, what we're really talking about, so that

unusual

17 and I understand each other, is I'm talking about the
18 definitive diagnosis. In other words, it's fairly

that,

19 for an emergency room physician to not be thinking of

day 20 admit the patient then have someone come back the next
that 21 and say, Oh, you know, you completely missed the fact
we're 22 this patient had a pulmonary embolus. That's not what
23 talking about.
24 What we're talking about is that I admit the
25 patient with sort of the presumptive diagnosis of a

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

75

else 1 pulmonary embolus, but it isn't confirmed until someone
2 does the definitive tests.
maybe a 3 Q. Doctor, in fairness, in 25 to 30 years --
occasion 4 few times, maybe more -- there has at least been
5 where you, as an emergency room physician, did, in fact,
that 6 either admit a patient or send a patient home to have
7 patient return where you were, in fact, surprised that
you 8 someone had made a diagnosis of pulmonary embolism that
9 have not even considered?
know of 10 A. Well, let me answer that by saying I don't
11 any, but it wouldn't surprise me if -- if that had
12 occurred. You have to understand that the nature of

I 13 emergency medicine practice is that if I make a mistake,
when 14 frequently never hear about it, because if I'm not on
15 the patient comes back or if the patient goes back to a
16 different hospital, I mean, I just simply don't get the
17 feedback.

18 Q. Sure.

19 A. So, you know, I don't dispute what you're
saying.

20 I just have no -- no idea.

21 Q. I recognize that, and that was my initial
22 question. Do you recall, at least, an occasion in 25 to
30
medical 23 years where you did see and evaluate a patient for a
24 problem, failed to pick up on the fact that there was a
25 lack 25 pulmonary embolism due to lack of clinical findings or
lack

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

76

1 of historical information or whatever, and either
discharged 2
hospital 2 that patient, or maybe admitted the patient to the
3
4 thinking it was something else and then were shocked or
5 surprised to learn that they did, in fact, have a
pulmonary 4
it? 5 embolism? That's happened at least on occasions, hasn't

that 6 A. Well, not that I remember. I'm not denying
7 it might have happened. I just don't remember it.

just 8 Q. It wouldn't surprise you if it did happen,
9 given the fact that this is not necessarily an easy
10 diagnosis. Do you agree with me on that?

11 A. Yeah, I think that that's true. I think that
12 there are some patients that clearly you cannot make the
13 diagnosis of in the ER, and they get admitted with some
14 pulmonary problem of unknown etiology or unknown cause
that
15 somebody else then defines.

16 Q. Let me ask it this way and just remove it from
that 17 your individual experience and just say: In the time
18 you've been staffing emergency rooms over the 25 to 30
19 years, whenever you've done that over that course of
time,
20 have you learned of situations where patients were seen
in
21 emergency rooms where you worked, whether seen by you or
22 somebody else, who had a pulmonary embolus, it was not
23 detected or diagnosed and then they ultimately died as a
24 result of complications from that embolism?

25 A. I don't know about died. I mean, I'm fairly
sure

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

back 1 that people have been sent out with them and have come
department 2 and that, you know, one of us in the emergency
3 have made the diagnosis.
any 4 I don't -- I can't tell you that I know that
5 of those people have gone out and died.
serious 6 Q. Is that a fear of yours, that any kind of
pulmonary 7 illness such as cardiac abnormalities, strokes,
8 embolisms, is that a fear that you live with on a daily
9 basis working in the emergency room that a patient might
that 10 present with some history or clinical symptomatology
be 11 may not be clearly apparent to you and that they might
12 allowed to go home and have a complication from that
13 illness?
mean, 14 A. Oh, sure. I mean, that's one of the -- I
know, 15 that sort of goes with emergency medicine is that, you
life 16 you never want to send anyone home who has a potential
of 17 threat and obviously have them deteriorate as a result
18 that.
was 19 Q. And you wouldn't want it suggested to a jury
20 that's going to hear this case that because Mrs. McLean
21 seen in the emergency room on two occasions in April and
22 July and was sent home and later returned in distress
due to

23 a pulmonary embolism, you wouldn't want it stated to the
24 jury that that, in and of itself, indicates that these
25 doctors were negligent in how they treated her, right?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

78

1 A. That's clearly the case, that just because she
2 came back a third time and died from a pulmonary embolus
3 doesn't mean that, in and of itself, they should have
made
4 the diagnosis.

5 Q. To be a little more clear, a doctor -- getting
6 back to this art and science that was spoken of earlier
-- a
7 doctor can apply his education, training, experience.
He

8 can get a history from a patient. He or she can make --
9 perform a physical examination and reach a judgment that
10 retrospectively may appear to have been in error, but
when

11 viewed at the time under the circumstances was totally
12 reasonable and appropriate. Do you agree with that?

13 A. Yes, I do agree with that. But I think we
need to
14 be careful about understanding the difference between
15 clinical judgment and a decision, because not every
decision
16 involves judgment. You know, when you're driving a car
and

so, at 13 Q. And the thing that makes pulmonary embolism.
the 11 times, difficult to diagnose or detect is that some of
consistent 12 symptoms you can get with pulmonary embolism are
13 with many, many other types of medical conditions or
14 illnesses?
15 A. Yes, that's true.
16 Q. In fact, in your practice of emergency
medicine, 17 do you find it easier to evaluate cardiac-type problems,
18 possible MIs or cardiac defects as opposed to pulmonary
19 embolism?
20 A. Yes.
21 Q. There are so many illnesses and disease
processes 22 that I can't be specific with this question. But would
you 23 agree with me that pulmonary embolism is among the most,
if 24 not the most, difficult, medical illness to be detected
or 25 diagnosed in an emergency room setting?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

80

1 A. Can be.
2 Q. And again, you qualify it by saying it can be.
It

with 3 depends on each case and what the patient's presenting
4 and what history the doctor is getting and so forth,
5 correct?

some 6 A. Yes, that's exactly true. In other words,
7 may be very obvious, and some may present in an entirely
8 aberrant fashion in which you have no idea that this is
a 9 pulmonary embolism.

the 10 Q. Do you agree that day to day when you work in
11 emergency room -- not day to day, but let's say 24 hours
12 last week -- you make decisions on eval -- testing to be
13 performed on patients and so forth based upon medical
14 necessity as you view it, given your years of training
and 15 experience?

16 A. Sure.

17 Q. And I take it you don't make it a practice to
18 order medical tests or evaluations that you don't feel
in 19 your judgment are medically indicated in a given
20 circumstance?

21 A. Sure. Of course.

a 22 Q. And what may be medically indicated to you in
23 given circumstance may not be medically indicated to
another 24 emergency room physician, depending upon what that
25 circumstance is?

1 A. That's also true.

2 Q. Again, that kind of weaves us back to this art
and
3 science in terms of what amount of history, physical
4 findings and so forth would cause you, Dr. Baker, to
5 exercise your judgment to run a certain test versus what
6 amount might cause Dr. Youngblood, another expert that's
7 been designated in the case by the Plaintiffs, or
8 Dr. Walton, one of their other experts, to run tests of
a
9 particular type on a patient, right?

10 A. Sure.

11 Q. And the fact -- again, specifically with
regards
12 to this case, the fact that some doctors might feel that
13 certain tests are indicated and others might not, that
does
14 not, in and of itself, indicate that one group of
doctors is
15 negligent and the other group is practicing good
medicine?

16 A. That's true.

17 Q. Do you agree, in fairness, that Ms. McLean had
18 symptoms and some signs that were not only consistent
with
19 pulmonary embolus, as you've pointed out in your report,
but
20 also many other medical problems?

21 A. Yes.

22 Q. Let's just talk about some of those for just a
23 second. From time to time, there is notation of
coughing,
24 correct?

25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

82

1 Q. Some congestion?

2 A. Yes.

3 Q. Some respiratory problems?

4 A. Yes.

5 Q. There's a dispute as to whether she had chest
6 pain, and if so when, but let's assume hypothetically
right

7 now there was at least an episode of chest pain.

8 A. Yes.

9 Q. Those symptoms right there are consistent with
10 just a laundry list of medical problems and potential
11 medical complications, correct?

12 A. That's true.

13 Q. Run the -- runs the gauntlet from the common
cold

14 to the flu to a virus to pneumonia and on and on and on
15 including pulmonary embolism?

16 A. Yes, sir.

17 Q. Do you agree that usually if a pulmonary
embolus

that 18 Is causing respiratory difficulty in a given patient
19 that respiratory difficulty comes along acutely?
patient 20 A. That's generally the case, or at least the
21 notices it acutely, you know, what is reasonably well
until 22 some point in time at which they experience some
problem. 23 That's true.
24 Q. Once those problems or symptoms become acute
25 enough to where the patient notices it and may complain

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

83

pulmonary 1 about it, do those complaints persist until the
2 embolism is resolved?
it's a 3 A. They may or they may not. It's sort of --
4 little bit dependent upon the patient and exactly the
extent 5 of -- of the embolus; for instance, the shortness of
breath 6 might exist until you start oxygen and in which case it
goes 7 away. A little bit depends upon the size of the
embolus, 8 and if you're having small emboli the extent, and to
some 9 extent whether or not the patient continues to throw
emboli

10 over for a long period of time. I mean, there's so many
11 factors in there that -- things do change.

12 Q. Generally speaking, as an emergency room
13 physician, when a patient walks into the facility where
14 you're working and they become your patient, in terms of
15 respiratory difficulties, an acute onset of a
respiratory
16 distress or respiratory problem is somewhat more
concerning
17 to you than a patient who has had a chronic history of
18 respiratory problems and tells you, for instance, that
19 they've had bronchitis or asthma?

20 A. It depends upon what their clinical status is.
In
21 other words, if all they're complaining of is
nonproductive
22 cough, well, obviously, I'm not going to be terribly
worried
23 if it was something that has been going on for weeks or
24 months.

25 If what they're talking about is being short
of

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

84

1 breath to the point that they're cyanotic, then I'm
still
2 worried, even if it's been going on -- even if their
cough

a 3 has been going on for weeks. The Fact that they've got
4 new symptom still is very worrisome.

the 5 Q. Fair enough. And you saw nothing in any of
6 records or the deposition testimony to indicate that
7 Mrs. McLean ever became cyanotic up until she was
8 transported to the emergency room in respiratory arrest,
9 correct?

10 A. That's true. There's no evidence of cyanosis.

causes 11 Q. And obviously, cyanosis is blueness around the
12 lips or face or in the peripheral extremities that
be 13 you to be concerned, as a physician, that they may not
14 pumping oxygenated blood as well as they should be?

15 A. That's true.

that, 16 Q. And so in this case, you see no evidence of
17 which you can see in severe cases of pulmonary embolism,
18 can't you?

patient 19 A. Well, you can, but I mean, when you see a
20 who is cyanotic from their pulmonary embolus, we're in
deep, 21 deep trouble.

little 22 Q. Okay. Makes the -- makes the diagnosis a
23 easier if you see cyanosis and you have reason to
suspect 24 pulmonary embolism than otherwise. Fair enough?

25 A. Well, sure. But that's going to be the vast

85

1 minority of the patients, and that patient's going to be
2 more likely to die than not.

3 Q. Let's go back, then, to the question I asked
you
4 earlier about patients that appear in the emergency
room.

5 And working in the emergency room is all about having a
6 working diagnosis, an idea of what a patient's problem
may
7 be and attempting to work up that problem to your
8 satisfaction that that patient is stable and able to be
9 discharged or alternatively unstable and needs to be
10 admitted, right?

11 A. Yeah. But you've got to be careful about how
you
12 use the term "stable" because stable doesn't necessarily
13 mean that you have no life threat. In other words, you
can
14 be --

15 Q. Sure.

16 A. -- stable with your heart attack, meaning I
have a

17 rock-solid blood pressure and a pulse and my
respiration's

18 okay, but yet I can die from my arrhythmia five minutes
from

19 now. So, you know, that's a term that gets thrown
around a

stable 20 lot, but you have to really define whether you mean
and 21 vital signs or whether you mean the disease is stable
22 not likely to either get worse or deteriorate.
23 Q. Do you agree, in general, that the role of an
24 emergency room doctor is really, basically, two-fold and
25 obviously has many subparts, but one is to assess
medical

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

86

the 1 problems that come into the emergency room, and then to
to 2 extent you're able, stabilize that patient with regards
3 whatever problem you've assessed and evaluated?

of 4 A. Well, sure. I mean, you know, and the short
5 that is define and stabilize the life threat.

you 6 Q. Okay. And once you've stabilized them, then
their 7 need to properly see that they follow up with either
8 family doctor or that they're admitted and seen by the
9 appropriate specialist, and it depends on the
circumstances?

10 A. Yes, that's true.

the 11 Q. Going back, then, when a patient comes into
12 emergency room and becomes your patient and your

morbidly 13 responsibility, and that patient hypothetically is
14 obese and tells you that they aye having some difficulty
15 breathing, especially with exertion, and that they've
been 16 diagnosed several months before with asthma or
bronchitis 17 that's off and on, that patient, taking those factors
alone, 18 is not as concerning to you as a patient who comes in
with 19 that same degree of respiratory problem and says, This
has 20 hit me acutely and I've never had it before and I don't
know 21 what's wrong with me?
22 A. Well, the problem is you haven't given me
enough 23 data to really answer that question. In other words,
you 24 haven't told me the vital signs. You've just given me a
25 little bit of history, but you haven't given me the
vital

^r
PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

87

1 signs. You haven't given me the physical examination in
2 terms of the cardiorespiratory exam, and we don't know
3 anything yet about things like pulse oximetry and
arterial 4 blood gases or electrocardiograms. So --

5 Q. And I'm going to get into all of those
specifics.

6 E What I'm trying to understand right now is just
7 theoretically whether you can accept the proposition
that
8 the chronicity of a patient's problem can cause you to
have
9 some less concern about it than if it is an acute new
10 problem that they've never experienced before.

11 A. Well, the answer to it is, it could be that
you're
12 correct. But one of the things that you have to do in
13 emergency medicine and which we, at least, always try to
14 remember to do is to say to that patient, Well, if
you've
15 had this for a while, why is it you're here today?

16 In other words, it's really an important
question
17 of, you know, if you've had this headache and it comes
every
18 day, why today? Why did you come today to the ER? Why
not
19 yesterday or the day before? And you can usually tease
out
20 that the patient says, Well, something changed.
Something
21 got much worse. Or there's some new symptom that
exceeded
22 their threshold for, you know, coming into the emergency
23 department.

24 That's really one of the key questions in
25 emergency medicine is, if you've got this chronic
disease,

your 1 then what are you doing here? Why didn't you go see
2 family doctor next week?

that 3 Q. And some patients are better at giving you
4 history than others?

5 A. Oh, sure.

you 6 Q. And in terms of the history you obtain, I bet
patients, 7 can't tell me today, without even identifying the
last 8 of the patients you saw during your 24-hour work week
9 week, you can't recall the specific information given by
10 each of them to you historically, can you?

11 A. That's true.

piece 12 Q. And you didn't make a note of each and every
13 of history that they gave you. You didn't contain
14 everything about that in the medical record, correct?

15 A. I'm sure that that's true. I mean, there's
16 certainly going to be some of those patients in whom I
be 17 didn't record the entire history, and there are going to
18 others in which I was probably extra careful to try to
19 record everything that they told me.

information 20 Q. There's some things you ask and some
21 you seek as an emergency room doctor that's just second

you 23 nature that you're going to ask of every patient that
23 evaluate in the emergency room?
24 A. That's true.
25 Q. Have you ever reviewed any medical-legal cases

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

89

pulmonary 1 that you recall that dealt with allegations that a
treated? 2 embolism was either not detected or not properly
3 A. Well, you know, I've been doing this for 25
4 years. I'm sure that I have reviewed cases of missed
5 pulmonary embolism, both in the forensic area as well as
in 6 the hospital peer-review setting. I don't remember the
7 details of any, but I'm sure that it's come up.
8 Q. Can you recall ever giving deposition or trial
embolism 9 testimony in a case where the issue of pulmonary
10 diagnosis and/or treatment was in question or at issue?
11 A. Not specifically, but I suspect I have.
12 Q. But you can't recall anything specifically for
it? 13 A. That's true.
14 Q. And you won't be able to recall for the jury,
if 15 this case is tried, specific cases of pulmonary embolism

16 where you've been asked to evaluate or assess?
17 A. No. There's no reason why I would do that.
18 Q. If you have your report in front of you, I
want to
19 ask you some questions about it.
20 A. Yes, sir.
21 Q. I'll just take it chronologically. What does
it
22 take to become a life fellow of the American College of
23 Forensic Examiners?
24 A. Well, first you have to join the organization.
25 Then they have to offer to make you a fellow, to make
you a

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

90

1 diplomate, and then you have to agree to pay the life
fellow
2 dues rather than the yearly dues.
3 Q. So you make application and then they accept
you
4 and then you pay the dues?
5 A. Well, it depends upon the organization. But
most
6 organizations have some sort of board examination that
you
7 have to take in order to become a fellow. Or --
8 Q. That's what I'm -- I'm sorry.
9 A. Or you have to be recognized as a specialist
in

10 your area.

exam 11 Q. Did you sit for any kind of written or oral

12 to become a life fellow of the American College of

Forensic 13 Examiners?

14 A. No. They waived the examination.

15 Q. Do you know why the examination was waived?

16 A. Well, presumably, because I am who I am, well

17 known and, you know, participated in writing a textbook.

I 18 was a professor of medicine and a professor of emergency

19 medicine and department chairman at one of the top three

20 universities in the world.

21 Q. Do you know for a fact that your testing, if

any, 22 or further work necessary to become a life fellow of the

23 American College of Forensic Examiners was, in fact,

based 24 upon a waiver due to your past accomplishments or your

25 writings or anything like that or is that just your

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

91

1 supposition?

2 A. I wouldn't remember the specifics of that.

3 Q. How about the -- being a diplomate of the

American 4 Board of Forensic Examiners or a diplomate of the

American

5 Board of Forensic Medicine. Did you have to sit for any
6 tests, written or oral, for either of those --
7 A. No.
8 Q. -- diplomacies?
9 A. No.
10 Q. Were those applications and acceptance and
annual
11 fee payments that granted that status?
12 A. Yes.
13 Q. Now, with the American College of Emergency
14 Medicine and the American College of Internal Medicine,
I
15 would imagine there were tests associated with those?
16 A. There were.
17 Q. Written and oral for both?
18 A. No, actually, internal medicine, when I took
it,
19 was a two-day written test and emergency medicine was a
20 one-day written test and an oral exam.
21 Q. Okay. Did you become board certified and pass
22 those tests, both written and oral, on the first
occasion
23 for each of those specialties?
24 A. Yes.
25 Q. Have you ever had your certification revoked,

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

1 suspended or in any way limited since you became board
2 certified?

3 A. No.

4 Q. Have you ever had your privileges at any
hospital,
5 clinic or other health care facility revoked, suspended
or
6 in any way limited?

7 A. Well, obviously, they're limited to emergency
8 medicine. I mean, clearly, I'm not a neurosurgeon. So
when
9 I ask for privileges, I get them --

10 Q. Sure.

11 A. -- in my specialty.

12 Q. And I'm talking about limited within your area
of
13 specialty, specifically, for instance, based upon
quality of
14 care or any type of penalty or sanction by a hospital or
15 health care entity.

16 A. No.

17 Q. You've never been arrested or convicted for
any
18 felony crime or crime involving moral turpitude such as
19 theft or anything like that?

20 A. That's correct.

21 Q. How about the fellow with the American College
of
22 Emergency Physicians? What was required for that
position
23 of a fellow?

24 A. Well, originally, when American College of

25 Emergency Physicians established their fellowship, you

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

93

American 1 became a fellow simply because you had passed the
2 Board of Emergency Medicine exam.
3 Then they subsequently rethought that several
you 4 years later and then asked that you submit evidence that
5 had done additional academic things or that you had
6 participated in a professional society or somehow did
7 something other than sit for an exam. So all of us have
8 subsequently submitted that sort of data to them.
those, 9 Q. Have you had to be recertified by either of
10 American Board or the American College, or are
grandfathered 11 in to both organizations?
12 A. Internal medicine, in the year I took the
exam, 13 required no recertification, so I have not been
14 recertified. Emergency medicine, I was recertified in
1992.
part 15 Q. Do you remember pulmonary embolism being any
16 of the recertification exam, whether written, oral or a
case 17 hypothetical?
18 A. No.

19 Q. And the recertification exam, I take it, you
20 passed that on the initial attempt?
21 A. No. Actually, I took the recertif exam --
recert
22 exam twice
23 Q. Okay. When did you first take the
recertification
24 exam?
25 A. The previous year, in '91.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

94

1 Q. In emergency medicine?
2 A. Yes.
3 Q. Did you sit for that here in Chicago?
4 A. Oh, no. It was in Detroit.
5 Q. And the recert, is that totally a written exam
or
6 also oral?
7 A. It's a totally written exam.
8 Q. So you did not pass it in '91 and retook it
when?
9 A. '92, next year.
10 Q. And passed it in '92?
11 A. Yes.
12 Q. Is it scored on a zero to a hundred scale?
13 A. I don't know what it's scored on.
14 Q. Do you remember how close you were to passing
in

15 '91 or how your scores compared in '91 and '92?
16 A. No.
17 Q. Do you remember which part of the -- or parts
of
18 the exam you failed in '91?
19 A. No.
20 Q. To your knowledge, did any parts of the exam
that
21 you failed have anything to do with diagnosing,
evaluating
22 or treating pulmonary embolism?
23 A. No.
24 Q. Have you ever been sued before?
25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

95

1 Q. How many times?
2 A. Twice that I know of.
3 Q. Approximately what years?
4 A. 19 -- 19 maybe 82 or 83 in a case called --
the
5 Plaintiff was a fellow by the name of Benjamin Wilson.
6 Q. The patient Plaintiff?
7 A. Right. He was an 18-year-old who was shot at
a
8 basketball game and taken to another hospital.
9 Q. Just in general, what was the claim against
you in

10 that case?

11 A. That I had failed to -- as the director of the
12 paramedic program for the South Side of Chicago and as
13 chairman of the department failed to establish a trauma
14 system in the city of Chicago in 1982.

15 Q. What was the result of that case?

16 A. It was dismissed by the Court.

17 Q. I take it you felt you had done nothing wrong
and
18 weren't responsible in that case?

19 A. That's true.

20 Q. Did that progress enough to where they
designated
21 any medical expert against you?

22 A. Oh, sure. There were two experts identified,
one
23 an ex-resident or former resident of mine, a fellow by
the
24 name of John Turns, and a fellow by the name of
O'Reardon
25 from L.A.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

96

1 Q. Were these emergency room experts or --

2 A. Yeah, uh-huh.

3 Q. Okay. And it got thrown out without going to
4 trial?

5 A. That's *true*. It got thrown out after I gave a
6 deposition.

7 Q. Okay. And did the other experts give
depositions
8 also or --

9 A. Yes.

10 Q. -- or reports?

11 A. No. They gave depositions.

12 Q. Where was that case filed?

13 A. Chicago.

14 Q. And when was it resolved or dismissed?

15 A. I'm going to say about '87 or '88.

16 Q. All right. When was the next case that you
can
17 recall?

18 A. And I had a case filed claiming I was a
Defendant
19 in maybe '95, '96, something like that.

20 Q. Do you remember the patient's name?

21 A. No. But she was a patient that I saw at
MacNeal
22 who was subsequently transferred to another hospital.

As I
23 recall, she died a couple of days later. She was
24 transferred because her HMO wanted her transferred, and
25 actually, she wanted to be transferred too. And the
case

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 was dismissed by the Court for failure to identify an
2 expert.

3 Q. Okay. Was that here in Chicago?

4 A. Yes.

5 Q. Are the courts here in Chicago referenced as
6 county courts, district courts --

7 A. Circuit courts.

8 Q. Circuit courts? Do you remember the circuit
court
9 that either of the cases was pending in?

10 A. Well, it would be Cook County. The circuit
court
11 of Cook County.

12 Q. Any other lawsuits that you can recall?

13 A. No. You have to understand I was the
department
14 chairman at a university hospital for ten years, so I
might
15 have been, you know, named as the chairman of the
department
16 for actions that involved my department but I might not
even
17 know about them --

18 Q. Let me ask you --

19 A. -- if I wasn't a principal.

20 Q. Let me ask it broadly, then. Whether it was
you
21 or a resident or an intern or someone within your
department
22 that you may have been felt to be responsible for or
somehow

those 23 attack?.to from a liability standpoint, have any of
24 cases ever involved a death or injury from a pulmonary
diagnosed 25 embolus that was allegedly not timely or properly

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

98

1 or treated?
2 A. No indeed. I don't even know of any cases at
zero 3 case, that were filed. But I'm just saying that they
may 4 exist and I might not have been notified about them
unless I 5 was a primary care giver.

6 Q. Are you on notice of any lawsuits currently?
Has 7 anyone sent you a letter saying they might sue you but
they 8 haven't actually filed it?

9 A. No.
10 Q. Have you ever had a grievance filed against
you by 11 any state authority or any medical entity?

12 A. No, not that I know of. I presume if I had,
13 somebody would have notified me.

14 Q. Are you still licensed in both Illinois and
15 Indiana?

16 A. Yes.

17 Q. You've never been licensed in the State of
Texas,
18 have you?
19 A. No
20 Q. Have you received any training in medicine
from
21 the State of Texas?
22 A. What do you mean? In or from?
23 Q. In the State of Texas?
24 A. Well, I've gone to, you know, medical meetings
25 down there. I mean, I can't remember the last time I
was

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

99

1 down there, but, you know, the American College of
Emergency
2 Physicians has their home office down in Dallas.
3 Q. Right. Have you attended any continuing
medical
4 seminars or anything like that through the American
College
5 in Dallas?
6 A. I -- boy, it's been a long time since they've
had
7 a meeting in Dallas. They generally don't meet there.
8 Q. Have you ever given lectures to lawyers about
9 medical malpractice cases?
10 A. No. Actually, the only thing I've done at a

1 A. Well, basically, I said yes, that, you know, I
2 thought that she had pulmonary emboli on her first visit
on
3 4-24. And I thought she had pulmonary emboli on 7-8-96,
and
4 I thought that she had recurrent emboli in between.

5 MR. HAYES: Objection, nonresponsive.

6 MR. SMITH: What's the basis of your
7 objection?

8 MR. HAYES: I just don't think he
responded
9 to the question.

10 Q. (By Mr. Steed) Did you ask about whether
there
11 were autopsy slides, or did that come up in discussing
some
12 of the testimony of Mr. -- Dr. Walton?

13 A. No. That came up as a response to my answer
to
14 Dr. Smith's question. In other words, I answered that I
15 thought that there were probably continuing pulmonary
emboli
16 in between the two visits to the emergency department.
And
17 he, said, Well, that's really interesting because, in
fact,
18 I've had some additional slides made, and a pathologist
has
19 looked at them and said, Yes, indeed, there is evidence
of
20 chronic embolization.

21 Q. Who is the pathologist that has looked at
them?

22 A. I don't know.
23 Q. Where is he from or she from?
24 A. I have no idea.
25 Q. Did you see a report or did he read a report

to

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

102

1 you about what that pathologist's findings were?
2 A. No.
3 Q. Prior to this morning, then, having reviewed
4 autopsy report, you were not aware nor did you believe
5 there were pathology slides of the lung tissue; is that
6 correct?

the

that

slides

recut

chronic

7 A. Well, I presumed that there were pathology
8 of lung tissue, not that -- just that they hadn't been
9 or looked at specifically regarding this issue of
10 embolization.
11 Q. Did you look at the autopsy report?
12 A. Sure.
13 Q. Do you see any kind of microscopic findings on
14 autopsy report showing what the medical examiner looked
15 or saw with regards to --

the

at

16 A. No, actually --
17 Q. -- embolization?
18 A. I don't recall. We'd have to look. But I
don't
19 recall without looking --
20 Q. Why don't you look real quick?
21 A. -- the microscopics. But it would be unusual
for
22 a pathologist not to do microscopics.
23 Q. Well, see if you can find one in there.
24 A. There is not a report in here. It's just that
--
25 there is the notation that they have saved samples of
the

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

103

1 viscera in fixative. It doesn't say what the -- that
they
2 were eventually sectioned or what they did with them.
3 Q. In fairness, it's unusual -- if there was
tissue
4 taken and preserved and evaluated microscopically, it's
5 unusual that it wouldn't be contained in the report,
isn't
6 it?
7 A. Oh, there'll be an addendum to this report,
8 just -- I can tell you from experience. Sometimes it
takes

105

1 it is really important whether this was continued
2 embolization or whether, in fact, this was emboli that
were
3 present on April and then may be present -- or some
4 suggestion that they might have been present during
specific
5 periods of acute illness in between and then present
again
6 in July and whether there were periods in between where
7 there were none. You know, I don't see that that makes
any
8 difference in terms of the standard of care.

9 Q. would it be significant to you at all that if
on
10 July the 9th of '96 after all of these Defendants had
seen
11 Mrs. McLean she threw a massive clot to her pulmonary
tree
12 and that that's what led to her death and that she had
no
13 embolization at all before that?

14 A. I don't think that that's the case.

15 Q. Well, would that be significant to you if that
was
16 the case?

17 A. Well, I suppose **if** somebody could say, yes. I
18 mean, you know, if somebody came along and says, Well, I
can

19 tell you that there is no way that she could have had an
20 embolus back in April based on the pathology, I'd say,
Well,
21 okay. That's very interesting. Now tell me how, since
you
22 don't have the slides from April, because those tissue
23 sections were taken in April, how you could reach that
24 conclusion. I mean, I would be hard-pressed to believe
that
25 because I -- you'd have to explain it to me in detail
how

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

106

1 you can make that statement.
2 Q. But in terms of dating the clot that was found
3 during the autopsy, you certainly can't date when that
clot
4 was thrown or how long it had been pressing, correct?
5 A. Well, I'm the wrong guy to do that.
6 Q. Right.
7 A. A pathologist might be able to do that on the
8 basis of microscopic sections.
9 Q. But you can't?
10 A. But I can't. He could tell you maybe whether
11 there was some organization of the clot and fibrosis and
12 those type of things.
13 Q. Obviously, if Mrs. McLean threw a massive clot
on

arrest 14 July the 9th that caused her to go into distress and
fault of 15 and ultimately took her life, that would not be the
16 any of the doctors, would it?
single 17 A. Well, you know, no. I mean, if that was a
18 isolated event, no. But even if that was present, it
or 19 doesn't mean that she wasn't having emboli back in April
discovered 20 two days earlier and that had those emboli been
21 and the patient been placed on heparin and eventually
we 22 Coumadin, you know, that -- had those things been done,
23 probably wouldn't be here today.
24 MR. HAYES: Object as nonresponsive,
25 everything after the word "no."

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

107

that 1 Q. (By Mr. Steed) Doctor, you don't believe
2 putting a patient on heparin or Coumadin precludes that
it, 3 patient from having a pulmonary embolus and dying with
4 do you?
But 5 A. Not a hundred percent. There are exceptions.
you 6 mostly that's why we do it, and it does indeed prevent,

7 know, future pulmonary emboli in most patients.

8 Q. Well: does heparin or Coumadin or any type of
9 thinning medication serve to absolve or dissolve clots
that
10 are already in existence?

11 A. That's a different issue.

12 Q. In other words --

13 A. It is -- the idea of putting a patient on
heparin
14 and Coumadin is to prevent future clots, although
there's
15 some evidence, not great evidence, that maybe Heparin --
16 putting a patient acutely on Heparin does something to
17 accelerate the dissolution of existing clots. But
certainly
18 not to the level of thrombolytics and streptokinase and
19 those kinds of things.

20 Q. And streptokinase and thrombolytic medications
can
21 only be used in certain circumstances. There's a strict
22 criteria --

23 A. Absolutely.

24 Q. -- isn't there?

25 A. Absolutely strict criteria, and she didn't
meet

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

108

1 any of those criteria, at least on the first two visits.

2 Q. That being --

3 A. April,

4 Q. And July the 8th?

5 A. Yes.

6 Q. And in terms of heparin and Coumadin, from a
7 scientific standpoint, there is no science that
indicates
8 more likely than not that heparin or Coumadin actually
9 dissolves or absolves existing clots, but rather those
drugs
10 simply thin the blood to avoid further growth or
11 accumulation of a clot, correct, or reformation of new
12 clots?

13 A. Yes.

14 Q. And there are patients who are detected to
have
15 clots -- and let's say deep-vein clots in their legs --
and
16 they're given Coumadin or heparin, and they still throw
17 clots to their lungs and die while on Coumadin and
heparin?

18 A. Yes, that's true.

19 Q. You're not here today, nor will you be at the
20 courthouse at trial, to render opinions about exactly
where
21 the clot came from that took Mrs. McLean's life,
correct?

22 A. That's true. And I by and large think it's
23 irrelevant.

24 Q. And you're not here and you won't be at trial
to
25 testify in terms of how long the clot that took her life

109

type 1 existed, because that's more of a pathophysiological-
2 opinion, correct?
3 A. That's also true.
4 Q. I take it that based on your training and
5 experience if at any time someone would have been
suspicious
6 of a possible pulmonary emboli with regards to Mrs.
McLean,
7 then the only treatment that would have been available
for
8 her would have been admission and giving blood thinners
in
9 hopes that the clots would be reabsorbed over time and
no
10 new clots would be formed?
11 DR. SMITH: Objection, form.
12 A. Yeah, ultimate treatment, that's true. But
there
13 would be other things that, obviously, one would do, at
14 least regarding the first episode in April. I mean, you
15 would have put her on oxygen; she had a -- you know, a
PO2
16 that was seriously depressed. And obviously, that
wouldn't
17 have made a difference in the long run. You know,
you're
18 not going to put a patient on oxygen for long term like
19 this. But for the short term, it should have been done.

what 20 Q. And my point is, with regards to dealing with
being 21 in your opinion ultimately caused her death, and that
her 22 the clot that was thrown to her lungs or that existed in
source 23 lungs, there was -- to your knowledge, there was no
other 24 of treatment for that clot, if, in fact, it existed,
type 25 than admitting her and placing her on blood-thinning-

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

110

1 medications, correct?
answer 2 A. We don't have enough information to really
admitted, 3 the question scientifically, because had she been
4 at some point in time someone -- and I'm not necessarily
5 saying it would have been done -- but someone may have
done, 6 for instance, a venography of her lower extremities to
try 7 to identify the source.

8 If then she turns out to have some
complication 9 from Coumadin or thinning of her blood, there are other
10 therapies available to preventing propagation of clots
from 11 the lower extremity, primarily the placing of physical

6 Q. ... as best you can tell?

7 A. Yes, sir

8 Q. Just in your own words and without reading

9 definitions and so forth, if you render opinions in this

10 case that a physician is negligent or violates a

standard of

11 care, what do you mean by that?

12 A. That he has failed to exercise the judgment

that a

13 prudent physician would use in a similar case.

14 Q. How about proximate cause when you use that

term

15 in your report?

16 A. Well, to me, proximate cause means that, you

know,

17 without the negligence that we wouldn't have reached the

18 outcome or damages that we did.

19 Q. What type of birth control pill was Mrs.

McLean on

20 at any time from April of '96 up until her death?

21 A. Apparently, she was on a birth control pill by

the

22 name of Demulen, which is a comparatively low-estrogen

pill.

23 Q. Do you know what the estrogen makeup of

Demulen

24 is --

25 A. No.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 Q. -- that she was on?

2 A. No.

3 Q. Do you know if it's Less than 50 micrograms of

4 estrogen?

5 A. No. Do I know? No. Do I think it's
relevant?

6 No.

7 Q. And that's because you don't see the birth
control

8 pill as being any type of significant risk --

9 A. No, actually --

10 Q. -- for pulmonary embolus?

11 A. No, actually, I do. And in fact, you know,
that's

12 what we teach emergency physicians, that birth control
pills

13 are indeed a significant, you know, risk for pulmonary

14 embolus.

15 But I'm a practicing emergency physician, and
I

16 also train other physicians. And I can tell you that in

17 almost 30 years when I see a patient who might have a

18 pulmonary embolus because of both symptoms and signs and

19 then either I or someone else has written on the chart

20 "birth control pills," that's enough of a connection for
me

21 to make.

22 I have never, literally, in 25-plus years of

23 practice, then gone to the PDR and found out whether
this is

24 a high-estrogen or low-estrogen pill, because don't
forget

on 25 people have pulmonary embolus and die of them who aren't

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

113

die 1 birth control pills. People have pulmonary embolus and
2 of them who have actually no predisposing factors
3 whatsoever.

4 So you cannot simply dispose of this as a
5 diagnostic possibility because it's a low-estrogen pill.

so

at 6 I just look at it and say, Well, okay. You know, she's

she's 7 little bit of increased risk because she's obese and

the 8 got -- she's on birth control pills, and proceed with

case. 9 workup that would be indicated anyhow in her sort of

10 MR. HAYES: Object to that as being
11 nonresponsive, everything after the words, "that's what
we."

you 12 Q. (By Mr. Steed) Let me ask you, Doctor: Are

the 13 saying that regardless of the estrogen component that

on 14 risk is the same for pulmonary embolus to a lady who's

15 birth control? In other words, whether it's a hundred
16 micrograms or 30 or 50 or a 150?

17 A. I actually don't know.
18 Q. Well, have you seen any literature to that
effect?
19 A. Well, I know that that literature came out,
gosh,
20 a long time ago. That's why they supposedly developed
the
21 low-estrogen pill. But I don't know whether that's held
up
22 over the last 10 or 15 years. I mean, I just simply
haven't
23 followed that literature.
24 Q. Are you a member of the American Academy of
Family
25 Physicians?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

114

1 A. No.
2 Q. Have you ever been a member of that group?
3 A. No.
4 Q. Do you subscribe to any of their literature or
5 their information, be it audio, visual, written?
6 A. No.
7 Q. Do you agree with this statement: That recent
8 studies suggest that at less than 50 mcg -- and mcg
stands
9 for what?
10 A. Micrograms.

at 11 Q. Do you agree that recent studies suggest that
12 less than 50 micrograms of estrogen the risk of
13 thromboembolic effects are minimal or no greater than in
14 nonusers of oral contraceptives?

have 15 A. I don't know. I'd have to -- you know, I'd
have 16 to look at the studies that they're quoting. I mean, I
17 no reason specifically to disagree with it, but I'd want
to 18 look at what the references are.

that 19 I guess the real question is, is that a -- is
20 something that the company puts out, or is that a piece
21 of -- or is that a statement that was published in some
22 referee journal. I would tend --

23 Q. It was in a journal.

24 A. I would tend to believe it if it was referee
25 journal.

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

115

1 MR. FREEMAN: Pardon me.

2 Starting with "I guess" would be
3 nonresponsive.

such 4 Q. (By Mr. Steed) Do you look to organizations
5 as AAFP to provide you with continuing educational

6 information?

7 A. I don't look at AAFP. I mean, I look at the
8 American College of Emergency Physicians and the
American
9 College of Physicians because those are the two groups
that
10 I'm in. I'm not a family practitioner.

11 Q. Do you have any particular ax to grind with
the
12 AAFP? Do you think it's an inferior organization or a -
-

13 A. Oh, no, not --

14 Q. -- subpar organization?

15 A. Not at all.

16 Q. When you go to continuing education seminars
and
17 you get information, do you factor that into your
gestalt, I
18 guess, of information to hopefully improve your ability
to
19 diagnose and treat medical problems?

20 A. Sure.

21 Q. Are you telling me, then, and will you tell
the
22 ladies and gentlemen on the jury that whether the birth
23 control pill that Mrs. McLean was on was 150 micrograms
of
24 estrogen or 35 micrograms of estrogen, it's irrelevant
to
25 you either way?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 A. Well, it --

2 DR. SMITH: Objection, form

3 Excuse me, Doctor.

4 A. It's irrelevant to the emergency physician in

5 terms of evaluating this patient for what she has,

because

6 she has some other predisposing factors for a pulmonary

7 embolus and, in fact, even if she didn't, you can have a

8 pulmonary embolus with no predisposing factors.

9 Q. (BY Mr. Steed) What were her predisposing

10 factors to P.E.?

11 A. Well, she is 250-something pounds and 5 feet

12 4 inches tall. So she's obese. She's probably

sedentary,

13 you know. And I haven't looked at, actually, in much

detail

14 what else she might have for predisposing factors,

because

15 this whole business of predisposing factors you can look

at

16 from a statistical point of view, but they're

meaningless in

17 an individual patient, because if you gave me a dollar

for

18 everybody who died of a heart attack who didn't have any

19 predisposing factors or died of a pulmonary embolus who

had

20 no predisposing factors, you know, I can take a lot of

money

21 to the bank.

22 So, you know, you can't allow the lack of high

7 A. Yes. That's -- actually, that's the only
thing I
8 can think of that I've been told is associated -- that
it is
9 associated with, namely pulmonary embolism.
10 Q. Have you taken the films yourself to confirm
that
11 you agree or disagree with Dr. Novotny's description on
12 Page 87 and 88 of his deposition?
13 A. No. I don't think I've seen the films, and I
14 don't believe that they were sent to me.
15 Q. Okay. When you send films to a radiologist,
do
16 you tend to rely upon what the radiologist tells you in
17 terms of what that film shows?
18 A. Yes and no, in that I and emergency
physicians, in
19 general, look at all of our films, because, number one,
20 we're in the unique position to know exactly what we're
21 looking for because we're seeing the patient. And
number
22 two, about two-thirds of the time in most emergency
23 departments, there is no radiologist. So we have to
read
24 our own -- our films ourselves.
25 So -- and I guess number three is that about
once

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

little
that
thinks
if
on
shaped
with a
the
of
interpretation
standpoint,

1 or twice a month, sometimes more often, sometimes a
2 less, I find something on a film which is significant
3 the radiologist has missed, not because I'm better at
4 reading x-rays but because I've seen the patient and I
5 really know what I'm looking for, and he maybe only
6 he knows what I'm looking for.
7 Q. Well, let me ask you bluntly. You expect your
8 radiologists who are trained to read films to inform you
9 they see some infiltrate that is classic for a pulmonary
10 embolus, don't you?
11 A. Yes.
12 Q. And in this case, if, in fact, the chest film
13 Mrs. McLean from July the 8th of 1996 showed a wedge-
14 infiltrate, then I take it by your testimony you would
15 expect Dr. Novotny to think that might be associated
16 P.E.; is that right?
17 A. Yes.
18 Q. Well, certainly, likewise, you would expect
19 specialist who read that film to have that same degree
20 concern and point that out to Dr. Novotny?
21 A. Also true, yes.
22 Q. And although you may have your own
23 of a film, is it not true that from a radiologic

the 24 if you and the radiologist disagree on what you think
25 radiograph shows, that you generally defer to the

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

122

1 radiologist?
2 A. Generally, yes.
3 Q. Are you aware that the radiologist advised
4 Dr. Novotny, at least in his report, that he thought the
5 right upper lobe infiltrate was consistent with
pneumonia?

6 A. Yes.
7 Q. And are you aware that the report is silent as
to
8 any concerns or thoughts about pulmonary embolus being
the
9 origin of that infiltrate?

10 A. Yes.
11 Q. In terms of radiographic evidence, are you
aware
12 of any other description of the chest film or the lungs
or
13 the surrounding pleural space that is indicative or even
14 consistent with pulmonary embolus besides the wedge-
shaped
15 infiltrate that you just mentioned?

16 A. Well, actually, most chest x-rays are
completely
17 normal in patients with pulmonary emboli.

lobe
18 Q. Are you telling me -- I want to make sure I
19 understand this -- that a pneumonia of the right upper
20 would not normally appear as an infiltrate that's
21 wedge-shaped?

22 A. Yes.

23 Q. Do you agree that a wedge -- wedge-shaped
24 infiltrate is a nonspecific finding of a pulmonary
embolus?

25 A. Just the fact that it's an x-ray finding

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

123

1 suggestive of pulmonary embolus tells me that it's
2 relatively specific. I mean, I guess I don't know how
3 you're using the term "nonspecific."

4 Q. Well, let's go with nondiagnostic. It's
5 certainly --

6 A. Right --

7 Q. -- nondiagnostic --

8 A. -- it certainly is nondiagnostic. You know,
you
9 need -- you would do other things to confirm the
diagnosis.

10 It's highly suspicious, and then you would move to do a
VQ
11 scan.

12 Q. But if nonspecific is meant to mean, as I
think it

but 13 does, that it might be associated with pulmonary embolus
other 14 it's not specific for pulmonary embolus, it could mean
15 things. I take it by your earlier testimony you would
16 disagree with that?
17 A. It's -- I think it's strongly suggestive of
18 pulmonary embolus, and I can't think of anything else
19 offhand that it is frequently seen with.
symptoms 20 Q. Okay. Going back, then, to some of the
21 that can be consistent with pneumonia --
see 22 A. I suppose you could be -- I suppose you could
might 23 it in a mucous plug in one of the tertiary bronchials
24 cause a wedge-shape infiltrate
25 Q. Okay. Have you, to your knowledge, ever seen

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

124

embolus? 1 pleural effusion or atelectasis with a pulmonary.
2 A. Yes. You can see atelectasis and pleural
3 effusion. But, again, most often the chest x-ray is
normal.
4 Q. Going back to symptoms of pneumonia, chest
5 tightness, chest tightness without chest pain, either/or
can 6 be associated with pneumonia, right?

7 A. Sure.

8 Q. Also, you can have an oxygen saturation level

9 that's in the 90 to 95 percentile with problems related

to

10 pneumonia --

11 A. Sure.

12 Q. -- can't you? How about a PO2 abnormality --

13 A. Sure.

14 Q. -- can you see that with --

15 A. Yeah, sure you could be hypoxemic.

16 Q. In terms of the arterial blood gas that was

done

17 in April on Mrs. McLean, you have cited in your report

what

18 the PO2 value was, and you testified earlier, I think,

it

19 was 56?

20 A. Yes, sir.

21 Q. Do you remember the corresponding O2

saturation

22 reading on the arterial --

23 A. 90.

24 Q. -- blood gas? Assume with me it was 88.88 on

the

25 blood gas at the time the PO2 was 56. Would you -- are

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

125

1 the -- is the PO2 and the oxygen saturation linear? In

2 other words, as one goes up, do you have a corresponding
3 increase in the other? And as one goes down, do you
have a
4 corresponding decrease in the other one?
5 A. They do change directly, but they are
nonlinear,
6 which is why I brought you a copy of the sigmoid curve
that
7 I'm sure that you have seen before.
8 Q. That's been marked as an exhibit already,
hasn't
9 it?
10 MR. FREEMAN: It has.
11 MR. STEED: Do you remember which number?
12 THE WITNESS: You marked it as a group
13 exhibit.
14
15 Q. (By Mr. Steed) . That's okay. Let me just ask
you
16 on this curve.
17 MR. FREEMAN: I thought it was 9, but I
could
18 be wrong.
19 Q. (By Mr. Steed) I think No. 9 is a multipage
20 document, and the curve is one of those pages; is that
21 right?
22 A. Yes.
23 Q. On this document, the longitudinal axis
represents
24 the O2 saturation?
25 A. Yes.

the 20 A. Well, it is always the relationship that is --
21 relationship is always defined by a sigmoid curve. The
22 sigmoid curve is going to move depending upon other
factors, 23 and that's one of the other handouts I gave you. And
that 24 is that, as you can see, this --

25 Q. For the record, we're looking at Page 85 of
the

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

127

1 arterial oxygenation text?
2 A. Yes. And what this shows is what happens when
you 3 move the curve either to the right, called shift to the
4 right or shift to the left, and how that affects the
5 difference in various saturations and how they reflect
the 6 P02.

7 What's important is that you can cause a shift
to 8 the left as a result of the alkaline pH or a decreased
PCO2, 9 which means that you increase the affinity of oxygen to
10 hemoglobin and which explains why you could have, for
11 instance, an O2 sat which is, say, at a higher level but
12 reflects a lower P02.

13 Q. You understand in this case if you took the

14 relationship that's depicted on Page 128 and used those
15 numbers for the O2 saturations, then this curve would
show

16 the PO2 to be somewhat higher than 56, wouldn't it?

17 A. Yes. But that's because that curve is drawn
18 assuming a PCO2 of 40, and if you adjust this curve by
the

19 explanation that's on Page 85, you'll see why the PO2
was
20 actually 56 instead of something higher.

21 Q. Okay. So Page 85 of what's been marked as
22 Exhibit 9 gives the explanation for why you would see
that
23 difference?

24 A. Yes.

25 Q. Do you know what the O2 saturation level was
on

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

128

1 January the 8th -- I'm sorry -- July the 8th of '96 when
my
2 client, Dr. Novotny, saw Mrs. McLean?

3 A. 93 percent.

4 Q. Do you agree that that O2 saturation was
improved
5 from what it had been in April?

6 A. Yes. It's higher than it was in April.

7 Q. Do you agree that patients can have a
chronically

a low PO2 that's not related to pulmonary embolus?

9 A. Sure, if they have underlying pulmonary
disease.

10 Q. Well, other than underlying pulmonary disease
can
11 morbidly obese patients who are deconditioned, can they
have
12 a baseline PO2 that is substantially lower than what a
13 normal nonobese conditioned patient might have?

14 A. They might, but in that setting, they would
have a
15 normal respiratory rate. And if you have a elevated
16 respiratory rate, what that is telling you is that you
are
17 having to breathe faster -- and generally speaking,
18 deeper -- in order to maintain the same level of
19 oxygenation, and when the oxygenation is below normal,
what
20 you're saying is that you're having to breathe faster
just
21 to maintain a subnormal oxygen level and that you can't
even
22 get up to normal with the elevated respiratory rate,
which
23 is what she had on actually both of those visits.

24 Q. Well, you would expect her baseline
respiratory
25 rate to be above normal, wouldn't you, given her size
and

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 her deconditioning?

2 A. No.

3 Q. You wouldn't?

4 A. No. As a matter of fact, one of the problems
5 with obese people is that their baseline rate sometimes
is
6 less than normal. But obesity, basically, does not
affect
7 your respiratory rate.

8 Q. So in terms of breaths per minute or chest
9 excursions associated with breathing or the labor of
10 breathing, is it your testimony that obesity has no
impact
11 on those factors?

12 A. Oh, no, no. The labor of breathing is
increased
13 by obesity, but the respiratory rate doesn't go up as a
14 result of your being obese.

15 Q. How about chest excursions? That's how the
chest
16 moves when you breathe, right?

17 A. Chest excursion is actually breathing at rest
and
18 is related to excursion of the diaphragm, not excursion
of
19 the chest.

20 Q. Would you expect the chest excursion to be at
all
21 abnormal for -- or different for the morbidly obese
patient
22 versus the nonmorbidly obese?

23 A, I think it's really more of a function of body

well 24 habitus. In other words, you have to include height as
And 25 as weight, and you have to include girth of the chest.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

130

I 1 basically, I don't think it's very predictable at all.
here 2 mean, I don't think there's a lot of science involved
3 in terms of being able to predict exactly what you're
respiratory 4 talking about. But what you can say is that the
5 rate at rest is not going to be elevated.

excursion 6 Q. Can you say, Doctor, that their chest
7 would be abnormal, generally speaking, if they're
morbidly 8 obese?

9 A. No.

10 Q. When you talk about a respiration rate being
11 normal, what is the rate range that you're using?

upper 12 A. I use 20 as the upper limit. Actually, the
13 limit is really 18. Twelve to 18 is the real range of
20 14 normal. But, you know, we would sort of push it up to
15 because -- mostly because of the way nurses count
16 respirations.

normal 17 Q. And just so I'm clear, you don't see the
 18 range for a morbidly obese patient in terms of
respirations 19 per minute or respiration rate to be any different?
 20 A. That's correct.
 21 Q. Do you agree that you can have pneumonias that
are 22 nonresponsive to antibiotics?
 23 A. Sure.
 24 Q. You've seen that, haven't you?
 25 A. Sure.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

131

 1 Q. And have you frequently been called upon in
 2 treating a patient for pneumonia to change their
antibiotics 3 if their initial antibiotic was not effective?
 4 A. Yes.
 5 Q. Can you be afebrile, or without fever, and
have 6 pneumonia?
 7 A. Well, if you're the very young or the very old
and 8 you're unable to have a normal immune response, you may
 9 a clinically significant pneumonia, no fever. Most
have 10 who have a pulmonary infiltrate, which is then called a
patients

11 pneumonia, who have no fever are clinically better. And
12 it's just that the radiologic picture of pneumonia
resolves
13 much slower than the clinical picture.

14 And, in fact, what you will see is that a
patient
15 comes in with pneumonia, has rales on examination with a
16 stethoscope. He's clinically ill, has a fever and a
cough
17 with yellow sputum and the chest x-ray is normal.
Tomorrow,
18 as they progress, the pneumonia infiltrate shows up on
their
19 chest x-ray. A week from now they're completely better,
but
20 they still have the infiltrate on their chest x-ray, and
it
21 doesn't go away for another three or four days.

22 MR. STEED: Object as nonresponsive.

23 Q. (By Mr. Steed) Let me ask you this: Do you
agree
24 with me that you can have a pneumonia and have a patient
on
25 antibiotics and have that patient afebrile, or without

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

132

1 fever?

2 A. Not a normal host. it would have to be an
3 abnormal host.

the 4 Q. When you say "host," you mean the organism for
5 pneumonia?
6 A. No, I mean the patient. The patient would
have to 7 be the very young, the very old, debilitated, leukemic.
8 Q. Are you testifying --
9 A. On steroids.
10 Q. Are you testifying that it would be absolutely
be 11 impossible for Mrs. McLean to have had a pneumonia and
12 without fever?
13 A. Absolutely impossible, no. There's no such --
14 there's almost no such thing in medicine, but it would
be 15 highly unusual.
16 Q. Does your assessment ever differ from a nurse
that 17 sees the same patient you do in the emergency room?
18 A. Sure.
19 Q. And if there's a difference in your
assessment, do 20 you usually rely upon your training and experience in
terms 21 of making your diagnosis and instituting your treatment
plan 22 as opposed to that of the nurse?
23 A. In most circumstances. I mean, there's a
couple 24 of circumstances in which everyone recognizes things are
25 really subjective, like, for instance, looking at the
whites

And if 1 of the eyes of somebody who is marginally jaundiced.
 are 2 a nurse says to me, Gee, you know, I think their eyes
 3 just a little yellow, and I say, Well, I think they're
 4 normal, I do the bilirubin anyhow.
 objectively 5 But, you know, when there's a way of
 the 6 resolving the issue, then I move to objectively resolve
 7 issue. If not, you know, I consider what people say and
 8 then put stock into what I say as well.
 9 Q. Generally speaking, -- well, strike that.
 rate 10 What would you classify as the normal heart
 11 for a 30-year-old female?
 12 A. Sixty to 100.
 morbid 13 Q. Is the normal heart rate affected at all by
 14 obesity, in your experience?
 mean, 15 A. Well, actually, the normal heart rate -- I
 16 you know, we call it a normal rate between 60 and 100.
 But,
 individual, 17 you know, the real honest answer is that -- that
 18 90 percent of those people are going to have heart rates
 19 between, say, 60 and 80.
 hundred, 20 We won't get concerned unless it's over a

21 but the vast majority of the people aren't going to be
22 anywhere near a hundred. Obese patients, it sort of
23 depends. At rest, they should have a normal pulse rate.
24 Under, you know, stress, exercise, you know, walking a
25 block, obviously, they're going to get tachycardiac.

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

134

be 1 Q. Do you agree that stress and exertion can even
stand up 2 as minimal as having a patient who's morbidly obese
examining 3 from a seated position and actually step up on an
4 table?

5 A. Yes.

earlier, 6 Q. And to make sure I understand your answer
think 7 is the range of 60 to 100 inclusive of what you would
8 to be the normal range for either the morbidly obese
and 9 patient, or does it need to expand beyond that minimum
10 maximum?

see 11 A. No. I think that's the normal range. When you
12 it above a hundred, you have to come up with an
13 explanation. Your explanation may be that they're
morbidly 14 obese and you just exercise them a little. But anytime

15 anyone has a pulse over a hundred, you need to have some
16 sort of explanation for it.

17 Q. Do you think the O2 saturation rate is equally
18 useful whether it comes from an arterial blood gas or
from a
19 pulse oximeter?

20 A. I think it's more accurate when it comes from
an
21 arterial blood gas.

22 Q. My question was: Are they both equally usable
by
23 you, or useful to you?

24 A. Oh, well, yeah, they're equally usable, but
25 they're not as usable as the actual PO2 from the
arterial

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

135

1 blood gas. In other words, because of that business
that we
2 showed you with the sigmoid curve and the fact that it
moves
3 to the right or to the left, that affects how usable the
4 data is. And it's generally usable data, but it's not
5 precise. If you want the precise answer, you do the
PO2,
6 which is the arterial blood gas.

7 Q. Is O2 saturation on the arterial blood gas a
8 calculated number, or is it a deferred or inferred
number?

it's 9 A. Actually, I don't know the answer. I think
measure 10 somewhat dependent upon the machine. Machines may
11 it.
gas 12 Q. Do you know what Mrs. McLean's arterial blood
13 measured her saturation by --
14 A. No.
from 15 Q. -- whether it was a number that was deducted
16 the PO2 or some other source or whether it was an actual
17 calculated number?
18 A. Don't know.
case 19 Q. How much time have you spent reviewing this
20 before today?
21 A. I don't know.
22 Q. Pardon?
23 A. I don't know.
24 Q. More than five hours?
25 A. Oh, yeah, sure.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

136

1 Q. More than 10 hours? Can you give me a range?
2 A. Well, I spent a good 10 hours yesterday
reading 3 this stuff.

4 Q. How about before yesterday?

5 A. I suspect that I had spent probably at least
that
6 amount of time between reading the materials in the
7 depositions and writing the expert report.

8 Q. Do you feel that your training and board
9 certification in internal medicine gives you a little
more
10 specialized knowledge or ability than what you would
11 generally see in an emergency room physician?

12 A. No, actually, I don't. I mean, don't forget I
ran
13 a training program in emergency medicine for ten years
at
14 the University of Chicago. So I have some level of
15 sophistication of those people. And for at least what
we're
16 talking about -- we're not talking about resuscitation.
17 We're talking about, you know, the approach to the
patient
18 with shortness of breath and cough and all the things
that
19 she had.

20 And I think that pretty much internists,
family
21 practice people and emergency physicians are equally
22 sophisticated in this particular area.

23 Q. So you would -- you would feel that an
emergency
24 room doctor and an internist would have equal training,
25 education and skill in assessing, diagnosing and
treating

137

1 pulmonary embolus?

2 A. Yes.

3 Q. Did you see any evidence of deep-vein
thrombosis

4 in Mrs. McLean?

5 A. Well, no. I mean, there's no evidence on
6 postmortem exam. I don't know that anybody really
looked

7 very carefully and, in general, we wouldn't spend much
time

8 looking for it, anyhow. And I certainly didn't see any
of

9 the physical exams that were done in April or July.

10 Q. Didn't note any swelling or edema, that type
of

11 thing?

12 A. No. But in a 250 pound, 5 foot 4 individual,
you

13 could probably miss a truck in her lower extremities.

14 MR. STEED: Objection, nonresponsive
after

15 "no."

16 Q. (By Mr. Steed) Do you know how to calculate a
17 body mass index?

18 A. I'd have to go to the book and look up the
19 formula.

20 Q. Can you do it today?

21 A. No. I don't have any books here.

22 Q. So you can't answer that question today?
23 A. No.
24 Q. Do you agree with me that based upon Mrs.
McLean's
25 morbid obesity she had decreased life expectancy?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

138

1 A. Oh, I think that that's probably true, sure.
2 Q. Do you agree with me that that decrease could
have
3 been anywhere from 10 to 20 years?
4 A. I don't know about the 20. Ten wouldn't
surprise
5 me at all.
6 Q. Do you agree that once a patient, even if
7 successfully treated, has been diagnosed with pulmonary
8 embolism they are more likely than not going to
experience a
9 shortened life expectancy from the normal person?
10 DR. SMITH: Objection, form.
11 A. If you separate out that group of survivors
into
12 those who have recurrent pulmonary emboli versus those
who
13 don't?
14 Q. It's more than 50 percent, isn't it?
15 A. I mean, I don't know the answer. Obviously,
the

to 16 people who have a recurrent pulmonary emboli are going
group 17 have a shortened life expectancy. Whether the other
another 18 has -- you know, whether the people who never get
because 19 pulmonary embolus have a shortened life expectancy
I 20 of something happening with the original embolus or not,
21 don't know.

Let's 22 Q. Well, let's in fairness take Mrs. McLean.
on 23 assume hypothetically that she had a pulmonary embolus
diagnosed 24 the 8th of July and that my client, Dr. Novotny,
at 25 it and that she did not die. Do you agree that she was

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

139

of 1 risk for having recurring pulmonary emboli for the rest
2 her life given her morbid obesity and the fact that she
3 would have already had a diagnosed episode of pulmonary
4 emboli?
5 A. Yes, I think that's true.
6 Q. And certainly, those two factors, the morbid
7 obesity and the fact that she had a pulmonary embolism,
8 would put her at a statistical risk for premature death?

how 9 A. Yes, I think that's true. I just don't know
10 much. And I'm kind of the wrong guy to ask.

11 Q. Okay. You know, when Mr. Smith told you this
there 12 morning that it was interesting that you thought that
13 was chronic embolization here because that's what his
whether 14 pathologist had indicated to him, did he indicate
15 his pathologist told him that there was evidence of
16 overlying asthma or bronchitis or other type of lung
17 problems going on with Ms. McLean?

18 A. Yes, I did, because we had talked about it's
very 19 difficult to make the diagnosis of asthma in people who
20 don't wheeze. I mean, how can you make the diagnosis of
21 asthma in people who don't wheeze. There's only one
22 circumstance, and that is when they're short of breath.
wheezing 23 When they're well intercurrently, they can have no

24 But when they're short of breath, if they have
no 25 wheezing, they are almost dead. And so then you
intubate

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

140

1 them. And as they get better when they start to move
enough 2 air, they'll start to wheeze. But there's no such thing
as

3 a patient with symptomatic asthma complaining of
shortness
4 of breath who has no wheezing. They said, Well, that's,
you
5 know, sort of interesting you say that too, because
6 indeed -- and I had said to him that only one person of
all
7 these visits had ever heard any wheezing.
8 He said, Well, you know, that's interesting
too,
9 because the pathologist says there's no evidence that
she
10 ever had any asthma, which -- which is what I thought
all
11 along.
12 Q. Do you know where the tissue samples were
taken
13 that this pathologist has reviewed for Mr. Smith?
14 A. No.
15 Q. From what area of the lungs or --
16 A. No. I presume that there would be some tissue
17 samples from both the upper lobe that had the wedge-
shaped
18 infiltrate as well as some other part of the lung that
was
19 not apparently affected with the infiltrate.
20 Q. Besides what you anticipate, do you know for a
21 fact where any or all of the lung samplings were taken
for
22 the microscopic pathology?
23 A. No, sir.
24 Q. Do you agree that Ms. McLean's O2 saturation
level
25 had improved by time she saw Dr. Novotny?

141

minute. 1 A. Well, let me just double check here for a

and 2 Q. Assume with me it was 93 percent on room air

room 3 had never -- or Let's assume it was at 93 percent on

was 4 air. And let's assume that on the arterial blood gas it

part 5 88.8 percent, and on room air it was 90 percent during

6 of the visit in April.

measurement 7 A. Well, but also in April there was one

8 that was 94 percent. So the answer is, well, it was a

than 9 little improved over the lower one and a little less

10 the best one she had.

April? 11 Q. How many O2 saturations were obtained in

12 A. Looks like three.

13 Q. Including the arterial blood gas?

14 A. Four.

Of 15 Q. And his reading in July was better than three

16 those four?

17 A. Yes.

18 Q. You never saw any evidence that any doctor or

sputum 19 nurse actually observed any productive red phlegm or
20 from Mrs. McLean, did you?
21 A. No.
pass 22 MR. STEED: I'm going to go ahead and
23 the witness so Larry can have a chance to ask as many
24 questions as he needs to. I might have some follow-up
for 25 you later on.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

142

1 (Recess at 3:25.)
2 E X A M I N A T I O N
3 (On the record at 3:40.)
4 BY MR. HAYES:
5 Q. Dr. Baker, my^s name is Larry Hayes, and I
6 represent Dr. Welch in this lawsuit. You said you had
7 determined that the blood gas was taken about an hour
after 8 the treatment --
9 A. Yes.
10 Q. -- that was administered? How did you make
that 11 determination?
12 A. Actually, there's reference to it made in the
13 depositions as well as the medical record. Once I had
read

14 it in the deposition here, I went back and --
15 Q. Well, what specifically did you look to --
16 A. -- looked at the timing.
17 Q. -- that told you that the one thing you left
out
18 of your report was that the blood work appeared to have
been
19 taken about an hour after treatment?
20 A. Because I had not noticed on the blood gas
21 analysis that it says the time that it was done was 1400
22 hours here. It's written on the blood gas slip as well
as
23 on the emergency department nursing record where it says
24 1415 ABGs obtained. Actually, you couldn't read that
number
25 originally, and I managed to come over -- across it
again

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

143

1 last night.
2 Q. Well, when did you determine the treatment was
3 given?
4 A. Well, the treatment, let's see, is -- that's
5 actually referred to in, I think, one of the R.T.'s
6 depositions, and then there is someplace else here. Oh,
7 here. It says here 1:20 Ventolin, and then there's --
it's
8 initialed by one of the respiratory therapists here.

9 Q. Well, how does that tell you when the
 treatment
 10 was done?
 11 A. It says 1:20.
 12 Q. 1:20 is when you interpreted the treatment was
 13 done?
 14 A. Yes.
 15 Q. Based on your review of the records?
 16 A. Right. It says 1320, and it's 1400 or 1415
 that
 17 the blood gas was drawn.
 18 Q. Where do you see 1320?
 19 A. 1:20 is 1320 in military time.
 20 Q. And you can make out a 1:20 written where,
 next to
 21 where it's noted?
 22 A. Next to where it says Ventolin.
 23 Q. All right. Did you see anything that raised
 any
 24 questions in your mind in reviewing the records as to
 when
 25 the treatment was given?

PREFERRED LEGAL SERVICES, INC.
 (214) 706-9016

144

1 A. The Ventolin treatment?
 2 Q. Right.
 3 A. That's it right there.

question a Q. All right. That wasn't my question. My
any 5 is: Did you see anything in the records which raised
was 6 question in your mind as to when the Ventolin treatment
7 given?
8 A. I don't understand your question, then.
me 9 Q. Well, if you did, tell me; if you didn't, tell
10 no.
11 DR. SMITH: Objection, form.
12 A. I don't understand what you're asking me.
13 Q. (By Mr. Hayes) I said in your review of the
in 14 records, did you see anything that raised any question
15 your mind as to when the Ventolin treatment was given?
16 A. No.
gases. 17 Q. Okay. You looked up some stuff on blood
18 Why did you do that and give us copies here before your
19 deposition started?
educate 20 A. Well, because I *see* my job as having to
21 you as well as the jury.
gases? 22 Q. Well, why did you look up articles on blood
23 A. Because I didn't think that you folks, not
being a 24 physician, would understand the oxyhemoglobin
disassociation 25 curve and the effective changes of PCO2 on the
alkalinity on

145

1 the movement of that curve from right to left.

familiar

2 Q. It wasn't because you weren't all that

3 with the oxygen disassociation curve?

every

4 A. I'm intimately familiar with it. I teach it

5 day.

6 Q. You just looked that up to help us out?

7 A. I even gave you handouts

8 Q. In order to help us out, right?

9 A. That's absolutely right.

what a

10 Q. Now, this -- what is your understanding of

11 normal PaO2 would be?

a

12 A. Well, the majority of adults are going to have

there

13 normal that's in the 90 to a hundred range, although

and

14 are going to be some adults who go down to as low as 80

15 maybe even 75 as normal.

be a

16 Q. All right. So between 75 to a hundred would

17 normal PaO2?

you

18 A. No, because you should consider any patients

19 see with a PaO2 of less than 80 as having an abnormal

20 PaO2.

21 Q. All right.
22 A. Even though for them below 80 might be normal
If
23 you're seeing it on a one-time basis and you don't have
a
24 track record to prove that, you know, their PaO2 between
75
25 and 80 is normal for them, you need to assume that it's

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

146

1 abnormal
2 Q. Okay. Is the normal or abnormal PaO2 affected
by
3 the PCO2?
4 A. No, oxygen saturation is.
5 Q. I was asking specifically --
6 A. Right, right.
7 Q. -- about --
8 A. PaO2 is not affected by PaCO2.
9 MR. FREEMAN: I apologize. I didn't get
10 that, and it was probably just my error in hearing. Can
you
11 say what you just said again, please, sir?
12 A. Sure. PCO2 affects oxygen saturation, but it
does
13 not affect PaO2.
14 MR. FREEMAN: Thank you.
15 I apologize, Larry, for the interruption.

16 Q. (By Mr. Hayes) What is the knee of the curve?
17 A. What is the what?
18 Q. Knee of the curve.
19 A. The knee of the curve is the part of the curve
20 where it's becoming vertical. It's changing from
horizontal
21 to vertical.
22 Q. Can you tell by looking at the oxygen
saturation
23 whether or not the PaO2 has increased?
24 A. Not precisely, because it's affected also by
PCO2
25 and by alkalinity.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

147

1 Q. Well, can you take a reading of -- a pulse
2 oximeter reading of the O2 saturation and estimate what
the
3 PaO2 might be in a patient?
4 A. You can estimate as long as you understand
that
5 it's an estimation. And if you really want to know the
real
6 answer, you have to do an arterial blood gas and measure
the
7 PaO2.
8 Q. Well, let me ask it a different way. Can you
9 correlate O2 saturation with what the arterial pressure
of

10 oxygen might be in the bloodstream?
11 A. Only roughly.
12 Q. Can people's oxygen saturation remain the same
or
13 very close on a pulse oximeter reading but yet their
PaO2
14 increase?
15 A. Yes, if the PCO2 is changing.
16 Q. what if the PCO2 remains the same? Can the
PaO2
17 increase?
18 A. No, not without changing either PCO2 or
alkalinity
19 or temperature.
20 Q. What's the PVO?
21 A. The venous oxygen.
22 Q. All right. And a normal -- what's a normal
venous
23 oxygen?
24 A. Between, say, 38 to 42.
25 Q. Now, you told us that the -- if the PCO2
changes,

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

148

1 it could cause a shift in this oxygen disassociation
curve?
2 A. PCO2, yes.
3 Q. If the PCO2 remains in the normal range, would

4 that cause a shift to the left of the oxygen
disassociation

5 curve?

6 A. Well, if the PCO2 remains fixed, the curve
doesn't

7 move right or left.

8 Q. All right. What if it stays in the normal
range?

9 Will it --

10 A. Well, it's not a matter of whether it's in
normal

11 range. It's a matter of whether it's up or down. In
other

12 words, if you measure it at one point and then the PCO2

13 changes, it's going to move the curve. It doesn't make
any

14 difference whether it's in the normal range or not, the

15 curve's going to move.

16 Q. How readily can the PaO2 change?

17 A. PaO2 can change from minute to minute -- I
mean,

18 literally, minute to minute -- and so can the PCO2.

19 Q. Is there any way to correlate or estimate how
fast

20 the PaO2 can change? I mean, like, so many millimeters
in

21 one minute, or can it make --

22 A. It can make huge changes in a matter of a
minute.

23 Q. In the area of pulmonary embolism, what is the

24 most common symptom that patients have complained of in

25 those patients you've seen that have pulmonary embolism?

1 A. Shortness of breath, dyspnea.

2 Q. What's the next most frequent symptom they
3 complain of?

4 A. Chest pain.

5 Q. What type of chest pain?

6 A. I think you'd have to say sort of nondescript,
7 because not -- the majority of them don't have pleuritic
8 chest pain. Pleuritic chest --

9 Q. The majority of the ones that you've seen
didn't
10 have pleuritic chest pain?

11 A. Well, pleuritic chest pain implies pulmonary
12 infarct, and there's a lot of patients with pulmonary
13 embolus who don't have pulmonary infarct.

14 MR. HAYES: Objection, nonresponsive.

15 Q. (By Mr. Hayes) Are you saying the vast
majority
16 of the patients you've seen with pulmonary embolism did
not
17 have pleuritic chest pain?

18 A. The vast majority, no, I can't say that. I'd
19 actually probably say that half or more of them did have
20 pleuritic chest pain.

21 Q. All right. And how would you describe to the
jury
22 what pleuritic chest pain was?

23 A. Chest pain that either comes on or is worsened
by
24 deep breathing, taking a deep breath. In other words,
you
25 get it when you inhale

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

150

1 Q. Is the -- where is pleuritic chest pain
located,
2 typically?

3 A. Anterior, lateral or posterior chest.

4 Q. Anterior lateral means on the side?

5 A. Anterior or lateral or posterior. Yes,
lateral
6 meaning on the side.

7 Q. The shortness of breath, do you -- can you --
I
8 think you used somewhere "severe shortness of breath."
What
9 is severe shortness of breath to you?

10 A. I don't use the term mild, moderate or severe,
11 because it varies from observer to observer. I mean,
what
12 you really need to talk about is objective criteria. So
you
13 look at things like respiratory rate, oxygen --

14 Q. What's a severe shortness of breath as far as
15 respiratory rate is concerned?

16 A. Well, you know, severe is -- like I say,
what's

17 severe to you may not be severe to me --
18 Q. I'm talking to you --
19 A. -- following hypoxia.
20 Q. -- as a doctor in the emergency room --
21 MR. FREEMAN: Pardon me. I apologize.
With
22 y'all talking at the same time, I can't keep track of
what's
23 being said. And it's my fault, but could y'all one or
the
24 other talk at a time, please, sir?
25 Q. (By Mr. Hayes) You said you looked at the

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

151

1 respiratory rate, right?
2 A. Yes.
3 Q. What do you consider to be severe shortness of
4 breath as seen by the severe respiratory rate?
5 A. 24 to 28 or more.
6 Q. And what would you describe as moderate
shortness
7 of breath?
8 A. 22.
9 Q. To 24?
10 A. Yeah, 22 to 24.
11 Q. And mild?

12 A. Actually, mild shortness of breath may not
have an
13 increased respiratory rate at all. It's just a
subjective
14 feeling.

15 Q. Is much of what -- as far as shortness of
breath,
16 is that a sensation that the patient may have?

17 A. Yes.

18 Q. It's a subjective feeling they have that you
may
19 not necessarily always be able to detect objectively,
right?

20 A. Yes, I agree.

21 Q. In other words, people tell you, I feel short
--

22 have you had people tell you, I feel short of breath,
and
23 you could not tell by looking at them that they had that
24 feeling?

25 A. Yes.

f
PREFERRED LEGAL SERVICES, INC
(214) 706-9016

152

1 Q. Is that more commonly the case?

2 A. I wouldn't say it's more commonly. I'd say
mostly
3 when people say they feel short of breath that, in fact,
4 they have an increased respiratory rate. And what you
5 described is actually the minority, but it does indeed

6 occur.

7 Q. All right. After shortness of breath and
chest
seen in 8 pain, what's the next most frequent symptom you have
9 patients with pulmonary embolism?

10 A. Well, the next most frequent thing that you're
11 going to see, of course, is tachypnea, that is objective
12 increase in a respiratory rate, say, greater than 16.

13 Q. Well, now, that's a sign, isn't it?

14 A. Yeah. That's a sign.

15 Q. I'm talking about symptoms.

16 A. Symptoms, after that, you know, it's a toss-
up. I
two
equal.
in
calves
I
27 mean, there's no -- there's no other -- those are the
28 major symptoms and everything else is sort of about
29 And they range from cough to hemoptysis to palpitations,
30 which is the -- you know, their feeling of a tachycardia
the chest.

31 Gosh, it could include everything from sore
32 to lower abdominal pain to a feeling of impending doom.

33 mean, there's just -- there's a lot of symptoms.

34 Q. But other than --

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

1 A. But they're ail less than 50 percent.

2 Q. All right. But so that -- other than the most
3 frequent symptom of shortness of breath and the second
most
4 frequent of chest pain, you can't determine a third most
5 frequent, is that right, what you're telling us?

6 A. Yeah, that's all true. I don't think there's
7 anything else that one sees in more than half the
patients.
8 Q. All right. Tachypnea, though, is a sign. Is
that
9 the most common sign that you --

10 A. Yes.

11 Q. And tachypnea means rapid respiratory rate?

12 A. Yes

13 Q. And here again, are you using the same kind of
14 criteria as you did with the shortness of breath and
looking
15 at the respiratory rate that severe tachypnea would be
24 to
16 28?

17 A. Yes. And I actually think when you look at
the
18 statistics on tachypnea that the threshold that they
19 generally use is either 16 or 18.

20 Q. Well, 16 --

21 A. They're not talking about a lot of tachypnea.
22 They're just talking about small amounts of tachypnea.

23 Q. Well, 16 to 18 would be in the normal range,
you
24 told us

25 A. Yes, absolutely.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

154

1 Q. So is it normal to be that tachypneic?

2 A. Well, what they're talking about is breathing
3 that's in what we might call the high normal range. In
4 other words, none of us here at this table are breathing

16

5 times a minutes or even 18 times a minute. We're

probably

6 all breathing 12. Except for the guy at the end of the
7 table -- he smokes.

8 MR. FREEMAN: Objection, nonresponsive.

9 Don't talk about Larry that way. It's

just

10 not nice.

11 Q. (By Mr. Hayes) You made the statement that

you

12 pushed it up to 20 because of the way nurses count

13 respirations. How do nurses count respirations
differently

14 than doctors?

15 A. Nurses generally count respirations by

counting

16 the number of breaths for 15 seconds and multiplying by

17 four

18 Q. And how do doctors do it?

19 A. They count for a minute.

20 MR. FREEMAN: Move to strike my own
21 to the extent that ever made it close to the record.
22 Q. (By Mr. Hayes) So if you count by 15-second
23 intervals and multiply, then you can be off in what
24 is, right?
25 A. Well, yes, that's right, because, you know,

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

155

1 difference between four or five breaths in that 15
2 makes a difference between 16 or 20. So, you know, if
3 you're looking at a nursing rate, okay, we'll accept 20
4 being, you know, the upper limit of normal.
5 Q. And six breaths in 15 seconds, it would be 24,
6 wouldn't it?
7 A. Yes, that's right.
8 Q. Throw them up into severe tachypneic and short
9 breath?
10 A. Well, but 24 is clearly abnormal. 20 is just
11 of borderline.
12 Q. In the emergency room you've worked in, have
13 instructed the nurses to count for a minute instead of
15

14 seconds and multiplying by four?

15 A. No. They don't do it.

16 Q. Have you reviewed -- other than these articles
you
17 brought in, have you reviewed anything else in
preparation
18 for your deposition?

19 A. No, sir.

20 Q. For example, did you review anything in the --
in
21 the emergency medicine text that you were involved in
22 relating to pulmonary embolism?

23 A. Well, that's where that came from.

24 Q. Well, one of these pages did, but did you
review
25 anything on pulmonary embolism in the textbook that at
one

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

156

1 point in time you had connection with?

2 A. I reviewed the -- you know, the pages that
this
3 stuff came from. Well, let's see. Actually, the chart
on
4 Page 128 didn't come from the chapter on pulmonary
embolism
5 from Rosen's book. It came from a chapter on oxygen
6 transport, so I just looked at that page.

7 The Page 56 with the Table 9.5, which relates
to
8 oxygen hemoglobin saturation and plasma PO2, that came
9 from -- I think that came from Bob Wilson's chapter on
10 resuscitative problems and techniques out of
Tintinalli's
11 book. It's either that or Dr. Hockberger's chapter on
12 pulmonary embolus, and I don't recall which.

13 But I was specifically looking for a table
that
14 related those two because of reference in the doctor's
15 depositions and the nurses as well that they had a
difficult
16 time relating PO2 to oxygen saturation.

17 MR. HAYES: Objection, nonresponsive.

18 Q. (By Mr. Hayes) My question was, simply: Did
you
19 review the portion of the emergency medicine textbook
you
20 were involved in on pulmonary embolism?

21 A. No.

22 Q. Did you review anything, for example, in
23 Tintinalli from pulmonary embolism?

24 A. No, just this page.

25 Q. Do you know that both of those books do have

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

157

1 chapters on pulmonary embolism?

2 A. Oh, sure. You made reference to one in -- or
3 someone made reference to one In Tintinalli's book
written
4 by Dr. Hockberger. I know him because I trained him.
And I
5 know for a fact that there is a chapter on pulmonary
6 embolism in Rosen's textbook, because in the first two
7 editions I edited it.
8 Q. All right.
9 MR. FREEMAN: Objection, nonresponsive.
10 Q. (By Mr. Hayes) Would you agree with the
statement
11 a pulmonary embolism has been known to mimic many
serious
12 and benign medical disorders?
13 A. Yes.
14 Q. Would you agree that chest pain is the most
common
15 symptom, occurring in approximately 90 percent of the
16 patients?
17 A. Yes.
18 Q. Would you agree that while pain --
19 A. Well, no. I think I actually said shortness
of
20 breath. But they're close.
21 Q. So you would say the chest pain -- you would
not
22 disagree with a doctor if he made the statement it is
the
23 most common symptom occurring in approximately 90
percent of
24 the patients?
25 A. Yeah. I wouldn't disagree with that.

158

is 1 Q. Would you likewise agree that while the pain
like 2 usually pleuritic in nature, it may mimic the pressure-
discomfort 3 pain of myocardial ischemic, as well as the vague
4 of nonspecific chest wall pain?

5 A. That's clear. Yes.

6 Q. Did you see -- in connection with the visit of
that 7 April 24th of 1995, did you see anywhere in the record
Welch 8 Ms. McLean made any complaints to any nurse or to Dr.
9 of chest pain of any type?

10 A. No.

11 Q. All right. There's nothing in there, is
there?

12 A. No.

13 Q. And I assume you looked to see whether or not
she
14 did make a complaint of the most common symptom of
pulmonary
15 embolism?

16 A. Well, I noted that chest pain wasn't in there,
17 yes.

18 Q. In fact, Dr. Welch's examination indicates
that
19 she denied any chest tightness, correct?

all, 20 A. Well, she denied any chest symptomatology at
21 including wheezing.

22 MR. HAYES: Object to the last part as
23 nonresponsive.

she 24 Q. (By Mr. Hayes) She did complain of cough, did
25 not?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

159

She 1 A. I have to look here for a minute. I'm sorry.
2 did complain of, actually, dyspnea as well, yes.

3 MR. HAYES: Objection, nonresponsive.

complain 4 Q. (By Mr. Hayes) My question is: She did
5 of cough, did she not?

6 A. Yes.

7 Q. Dyspnea means shortness *of* breath?

8 A. Yes.

9 Q. Or trouble breathing?

yes. 10 A. It specifically means difficulty breathing,

have 11 Q. All right. Do you use these schematic -- or
12 you ever used these schematic forms with respect to
13 symptomatology in the emergency room?

14 A. No.

15 Q. You know they're commonly used, do you not?
16 DR. SMITH: Objection as to form.
17 A. You mean these pro forma forms regarding
history
18 and physical examinations?
19 Q. (By Mr. Hayes) Right.
20 A. Actually, no, they're not very commonly used.
21 Q. Do you know that for a fact?
22 A. Yes. After reviewing charts from hundreds of
23 hospitals, I can tell you that I've seen these -- you
can
24 count them on one hand the number of times I've come
across
25 these kinds of charts.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

160

1 Q. In your legal cases, you can count them on one
2 hand. Have you made any survey as to how frequently
they're
3 used in hospitals?
4 A. No.
5 DR. SMITH: Objection as to form.
6 Q. (By Mr. Hayes) At least from the records, it
7 indicates that she described her trouble with breathing
as
8 mild, does it not?
9 A. Yes.

severe 10 Q. She did not describe severe -- or feeling a
11 shortness of breath, did she?
12 A. That's true. Well, at least that's not --
that's 13 what's recorded here.
14 Q. Well, do you have any reason to think that
people 15 were putting down things other than what she said?
16 A. Well, she's breathing at 28 times a minute,
and 17 she has a PO2 of 56. That's all pretty severe, from my
18 point of view.
19 MR. HAYES: Objection, nonresponsive.
20 Q. (By Mr. Hayes) Do you have any basis for
drawing 21 any conclusion that people were putting things down in
the 22 chart that she didn't say?
23 A. I just answered you. You know, she's
breathing at 24 28 times a minute with a PO2 of 56. That doesn't sound
like 25 mild shortness of breath to me. No sound P -- along
with a

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

161

1 PO2 of 56. People die with PO2's of 56.
2 MR. HAYES: Objection, nonresponsive.
3 Would you read my question back, please?

back.) a (Requested portion read

5 A. I answered it.

6 DR. SMITH: I'll object as to form.

7 Q. (By Mr. Hayes) So you can't answer that,
Doctor?

8 A. I did answer it.

9 Q. Other than the way you just answered it.

10 A. I did answer it.

11 DR. SMITH: Objection to sidebar and

12 objection as to form.

13 Q. (By Mr. Hayes) Well, did you correlate the
her

14 history that's recorded in the chart that the -- with

15 history that can be gleaned from other sources?

16 A. Well, I don't know of any other sources.

17 Q. Did you do that?

18 A. Well, she hasn't been deposed because she's
dead,

19 so we can't ask her. So I don't know what other sources

20 you'd be referring to.

21 Q. Did you look at any other medical records?

22 MR. FREEMAN: Pardon me. Objection,

23 nonresponsive.

24 A. Did I do what?

25 Q. (By Mr. Hayes) Did you look -- did you look
at

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 Dr. Godfrey's medical records?

2 A. I don't think Dr. Godfrey saw her on the 24th

3 Q. That wasn't my question, Doctor, and I think
you

4 know it wasn't.

5 DR. SMITH: Objection to the sidebar.

6 Q. (By Mr. Hayes) Did you look at any other
medical

7 records with respect to Ms. McLean?

8 A. Sure.

9 Q. Did you look at Dr. Godfrey's records?

10 A. Sure.

11 Q. Did you look at the records from the
obstetrician

12 and gynecologist that she saw?

13 A. Sure.

14 Q. All right. Now, do those records indicate
that

15 she had hypertension with her prior pregnancies?

16 A. Actually, I don't recall.

17 Q. Did they indicate that she'd had a problem
with

18 irregular periods?

19 A. Yes.

20 Q. All right. That information is contained in
the

21 history that was taken by Dr. Welch, isn't it?

22 A. Actually, I don't recall.

23 Q. All right. Well, take a look, Doctor.

24 A. Yes.

17 career nor in any of your studies nor in any of your
18 readings have you seen any reports of patients
experiencing
19 bronchospasm where they were not wheezing?
20 A. Only patients who are in need of being
intubated
21 and put on a ventilator.
22 Q. Well, is that different than dying?
23 A. No. It's premorbid. What it means is that
you're
24 not -- if you have bronchospasm and you're not wheezing,
it
25 means that you're not moving enough air to cause
turbulent

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

165

1 airflow, which means that you're very close to having
your
2 cardiac arrest. And if you manage to get those patients
3 before they arrest, treat them with Albuterol and
reverse
4 them, as they get better they will start to wheeze.
5 Q. How many patients do you think you've seen
with
6 bronchospasms in your career?
7 A. Thousands and thousands. Almost not a day
that
8 goes by in the emergency department that you don't treat
at
9 least two or three.

with
10 Q. Do you use Ventolin treatments for patients
11 bronchospasms?
12 A. Sure.
13 Q. Do patients with bronchospasms who undergo
14 Ventolin and -- what's the other one -- Adovent, do you
use
15 that too?
16 A. Ativan, no. Atrovent, you mean.
17 Q. Yeah.
18 A. Atrovent, yes, sure.
19 Q. Do you use that in combination --
20 A. Sure.
21 Q. -- with Ventolin as ordered by Dr. Welch?
22 A. Sure.
23 Q. And would you expect to see improvement in a
24 patient with bronchospasm who undergoes Ventolin with
25 Atrovent -- how do you say that again?

✓
PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

166

1 A. Atrovent.
2 Q. -- Atrovent updraft therapy?
3 A. Oh, sure.
4 Q. And the reason being is because the drugs will
5 help open up the airways, right?
6 A. Absolutely.

improved 7 Q. Do the records indicate that Ms. McLean
8 while in the emergency room?
9 A. Well, I think the answer to that is the
records 10 would suggest that subjectively Dr. Welch thought that
she 11 improved, although there's no objective evidence that
she 12 really did. As a matter of fact, the last three sets of
13 vital signs were not accompanied by either a higher
14 respiratory rate, and the last two sets of vital signs
were 15 accompanied by a decrease in her oxygen saturation.
16 Q. Were vital signs normal at 1725?
17 A. 1725, yes, for all intent and purpose. She's
just 18 a tad hypertensive but nothing that anyone would pay any
19 attention to.
20 Q. Do the nursing records indicate that she was
21 improved upon discharge?
22 A. Yes.
23 Q. Did the records indicate that she was walking
when 24 discharged?
25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

had an 1 Q. Do you have any reason to think that if she
took 2 abnormal respiratory rate at discharge when the nurse
wouldn't 3 her blood pressure and pulse rate that the nurse
4 have noted that, that it was abnormal?
form. 5 DR. SMITH: I'm going to object as to
6 A. I think if the nurse had done it, it would be
7 written down, period, whether it was normal or abnormal
8 because it's a particularly critical vital sign in
someone 9 who complains of being short of breath when she came in
and, 10 in fact, who had an elevated respiratory rate that was
11 significantly hypoxic, as demonstrated by both an oxygen
12 saturation and a measured P02. So whether it's normal
or 13 abnormal it clearly should have been recorded and is a
key 14 vital sign in this patient.
15 Q. Well, is the important thing --
16 MR. FREEMAN: Pardon me. I apologize.
17 Objection, nonresponsive.
18 Q. (By Mr. Hayes) Is the important thing as to
whether 19 or not the respiratory rate was normal or whether it was
20 written down?
21 A. Well, obviously, it's important as to whether
it 22 was normal or not.
23 Q. Do you -- in your hospital, do nurses always
write

24 down every normal finding that they make?

25 A. No, obviously not. But when they do discharge

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

168

they 1 vital signs, they write down all the vital signs that
2 measure.

3 MR. FREEMAN: Objection, nonresponsive
4 starting with "but." Starting with the word, quote,
but, 5 end quote.

6 Pardon for the interruption, Doctor.

7 Q. (By Mr. Hayes) Can you have sinusitis and not
8 have an abnormal x-ray?

9 A. Well, you can have nasal congestion, but you
10 really can't have sinusitis, per se, with a normal x-
ray.

11 Q. So you've never seen a patient who had a
sinusitis 12 that when you ordered an x-ray of the sinuses didn't
have a 13 normal chest x-ray?

14 A. Well, you know it -- it's sort of splitting
hairs.

15 Do they really have that disease, or do they just have
nasal 16 congestion? And the answer is when we tag them with the
17 diagnosis of sinusitis but they don't really have it,
what

It 18 you're treating is nasal congestion. And that's okay.
treat 19 doesn't make any difference clinically, because you
make 20 them the same way. But I think you're hard-pressed to
21 the diagnosis of sinusitis without an x-ray.

22 Q. So what --

23 A. At least when the x-ray is negative,
24 no.

25 Q. Is what you're saying is you may suspicion

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

169

it 1 sinusitis, a patient may think they have sinusitis and
on 2 may just really be nasal congestion that doesn't show up
3 x-ray?

4 A. Yes.

5 Q. All right. Roentgenograms are x-rays, aren't
6 they?

7 A. Yes.

8 Q. Would you agree with the statement that
9 roentgenograms of the chest are, however, most often
10 abnormal in pulmonary embolism?

11 A. Are most often abnormal? Well, no, actually I
12 disagree with that.

13 Q. And that's based on your experience?

14 A. That's based on my experience.

15 Q. Do you know --

16 A. Actually, the rule-of-thumb teaching is if you
17 have a clear chest x-ray and the patient is hypoxic,
think
18 pulmonary embolus.

19 MR. FREEMAN: Objection, nonresponsive.

20 THE COURT REPORTER: Would you repeat
your
21 answer, please?

22 THE WITNESS: Sure

23 A. The general teaching to resident staff is that
if
24 you have a clear chest x-ray and you're hypoxic, think
25 pulmonary embolus.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

170

f

1 MR. FREEMAN: Madam reporter, did you get
the
2 objection to the responsiveness?

3 THE COURT REPORTER: Yes, sir.

4 MR. FREEMAN: Thank you.

5 Q. (By Mr. Hayes) Do you know whether
6 Dr. Hockberger's experience would be different than
yours?

7 A. I can't imagine, because I taught Dr
Hockberger,
8 both as a student and as a resident. And as a matter of

9 fact, he was one of my junior faculty members.

10 Q. So if he said --

11 MR. FREEMAN: Pardon me. After "I can't
12 imagine" would be nonresponsive to the question actually
13 asked.

14 I apologize for the interruption.

15 Q. (By Mr. Hayes) Would you agree with this
16 statement: A chest x-ray in nearly half of all patients
17 with acute P.E. would show an elevated dome of one
18 hemidiaphragm -- diaphragm.

19 A. I'd be really surprised if that's true. Are
we
20 talking about massive P.E.s, or are we talking about all
21 P.E.s?

22 Q. Acute P.E.

23 A. Well, but if he's quoting a study, you have to
24 decide whether this is patients with massive P.E.s or
all
25 patients with P.E.s, because there's a big difference.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

171

1 MR. FREEMAN: Objection, nonresponsive.

2 DR. SMITH: I'll interpose an objection
as to
3 form. And it's vague and ambiguous

4 MR. FREEMAN: Oh, no, that's too much

5 objection. i think you're getting entirely -- way too
6 carried away with these objections.

7 Q. (By Mr. Hayes) Did you make the statement --
8 MR. FREEMAN: Move to strike my own
sidebar.
9 I apologize for interrupting.

10 Q. (By Mr. Hayes) Did you make the statement
earlier
11 that asthma was one of the diagnoses of Dr. Wells?
12 A. Welch, you mean. Yeah, it was.

13 Q. And where did you get that idea?
14 A. Because he was treating the patient with
asthma
15 medicine, particularly updraft treatments with Ventolin
and
16 Atrovent. That's what those things are for.

17 Q. Only for the treatment of asthma?
18 A. And for the -- actually, they're the treatment
for
19 bronchospasm, and I can't think of any other disease
that
20 you would use them for.

21 Q. So as far as you know, the only type of
22 bronchospasm seen is in patients who have asthma?
23 A. No. Actually, you can see bronchospasm in,
quote,
24 reactive airway disease, which is a little bit different
25 than asthma, but the differentiation is not going to be
made

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 on the basis of a single exam in the emergency
department.

2 Q. Well, did you see anything in the records
where

3 Dr. Welch concluded or it was his impression that the
4 patient had asthma on that visit?

5 A. Well, actually, it turns out that's not even
on

6 the differential diagnosis here under "Clinical

7 Impression." I mean, asthma doesn't appear even as one
of

8 his options. So I guess you're right. There is no
actual

9 diagnosis here on the -- on his discharge the patient
has

10 asthma.

11 Q. Well, his clinical impression was acute
dyspnea,

12 right, one of them?

13 A. And sinusitis, and bronchospasm and hypoxemia.

14 Q. You've got -- do you have those copies of the
15 depositions there?

16 A. Yeah.

17 Q. You've got a comment of "rubbish" next to
certain

18 things. On Page 56, Line 15, there's a discussion there

19 about sinus drainage coming down the superior pharynx
where

20 the adenoids are in the back of the sinus. Do you see
that?

21 A. Right.

22 Q. What's rubbish about that?

23 A. Because he says, "Your diagnosis of sinusitis
was
24 based on what, facial pain?"
25 "That was one aspect of it," answer.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

173

1 "Anything else?"
2 "The physical examination, seeing purulent
3 drainage out of the back of her paranasal sinuses." You
4 can't see the back of your paranasal sinuses. So then
he
5 goes on to say, "Well, some drainage in the back of the
6 throat, that's correct." But you can't tell whether
that's
7 coming out of the sinuses or not.
8 He says, question, "Can you make a
determination
9 that that came out of her sinuses?" Answer, "Yes, sir."
10 That's rubbish.
11 Q. Okay.
12 A. I mean, you can tell that there's exudate in
the
13 back of the throat, but he can't tell that it came out
of
14 the sinuses because he can't see the back of the
sinuses
15 Q. But you can certainly draw that conclusion
just by
16 seeing the exudate, can't you?

do 17 A. No. How can you do that? I mean, well, how
do 18 you know that it's just simply not a pharyngitis? How
19 you know it's sinusitis?
go 20 Q. Sinusitis and pharyngitis, can they sometime
21 together?
22 A. Sure. But that's not what he's saying. He's
can't 23 saying he knows it's from the sinuses. You know, you
24 say that.
25 Q. Can infection cause interstitial lung disease?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

174

1 A. Certain kinds of environmental infections can
2 cause interstitial lung disease. Bacterial pneumonia
3 doesn't
4 Q. What type of environmental infections?
5 A. What kind of what?
6 Q. Viral infections can cause interstitial lung
7 disease?
8 A. Oh, I guess respiratory syncytial virus, RSV.
9 Q. All right. Any others?
10 A. Not that I can think of
11 Q. This group of lawyers you taught to do CPR,
what

12 group of lawyers was that?

13 A. American College of Legal Medicine

14 Q. And when did you do that?

15 A. Oh, gosh, back in the late 1970s.

16 Q. And you put on a demonstration of CPR?

17 A. No. We -- I hold a bunch of faculty from the

18 University of Chicago. And a group of nurses and about
io

19 of us went down there and taught maybe a hundred
attorneys,

20 put them through a CPR course.

21 Q. In conjunction with their meeting --

22 A. Yeah.

23 Q. -- so they could get --

24 A. Yeah.

25 Q. -- certificates?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

175

1 A. Right. Right. They actually got their
2 certificates.

3 Q. These slides that Mr. Smith told you about,
did he

4 tell you when these slides were made?

5 A. No.

6 Q. Did he tell you anything about why -- I
gathered

7 from what you said, he at least gave you this
impression,

from

8 tnat he was the stimulus for these slides being made

9 the tissue, right?

10 A. I think that's true, yes.

as

11 Q. All right. And did he give you any indication

12 to why he, the lawyer, was the stimulus in getting these

13 slides done of the tissue from the autopsy?

14 DR. SMITH: Objection as to form.

15 A. No.

16 Q. (By Mr. Hayes) Did you ask him?

17 A. No.

18 Q. Weren't interested?

A. I presume --

20 DR. SMITH: Objection, form.

21 A. I presume that it was really for two things,

being

22 one -- and I think both of them we talked about, one

23 whether there was any evidence of pulmonary embolus

of a

24 elsewhere and whether there was evidence of chronicity

25 pulmonary emboli.

PREFERRED LEGAL SERVICES, INC.

(214) 706-9016

176

1 Q. You would agree that Mrs. McLean was what is

2 called in medical terms morbidly obese?

3 A. Yes.

4 Q. And just kind of transfer into lay terms what
5 morbidly obese means when that term is used?

6 A. Well, it means that her obesity is such that
it's
7 going to have some effect on her life expectancy.

8 Q. And I think you agreed that -- did you see in
her
9 history that she had an 80-pound weight gain over a
period
10 of about four years?

11 A. I didn't notice that, no.

12 Q. You would agree that would be a rapid gain of
13 weight, wouldn't it?

14 A. 80 pounds over four years? Not particularly.
I
15 mean, the lady is morbidly obese. You know, if she had
16 gained 80 pounds in, I guess, six months I would agree
with
17 you. But 20 pounds a year I don't see as any great
problem
18 in terms of the rapidity. What she ended up with,
19 obviously, was a difficult problem to deal with.

20 Q. Did you see where at one point in time she
weighed
21 as much as 275 pounds?

22 A. No

23 Q. Okay. Would you agree that someone who --
that
24 heavy and that height and working in a sedentary job
would
25 probably be deconditioned?

1 A. Oh, sure.

2 Q. Meaning they would probably experience
shortness
3 of breath, particularly on exertion, right?

4 A. That wouldn't surprise me at all.

5 Q. All right. And the exertion that may produce
6 shortness of breath can vary, can't it?

7 A. That's true.

8 Q. Depending on how deconditioned you are, even a
9 slight amount of exertion may cause that person to
become
10 short of breath?

11 A. Yes.

12 Q. Their respiratory rate increase?

13 A. Yes.

14 Q. Them having that sensation of being short of
15 breath, right?

16 A. Yes

17 Q. The -- how often is shortness of breath a
18 complaint in an emergency room setting?

19 A. Well, it's not rare, by any stretch of the
20 imagination. It isn't sort of one of the top three,
but,
21 you know, it's frequent. I mean, there's not a day that
22 goes by that I don't see people who are short of breath,
in
23 fact, more than one.

24 Q. So in that 24 hours you maybe worked last
week,
25 you probably saw at least six or so people who
complained of

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

178

1 shortness of breath?

2 A. Oh, sure.

3 Q. Did you do a blood gas on every one of them?

4 A. No. I would start out with doing an oxygen
5 saturation on all of them, and not necessarily go to a
blood
6 gas.

7 Q. All right. What's the lowest O2 saturation
you
8 saw last week by pulse oximeter?

9 A. I don't remember.

10 Q. Did you have any in the low 90s?

11 A. Oh, probably did, sure, because most patients
with
12 pneumonia who complain of shortness of breath are going
to

13 have an O2 sat that's 95 or less.

14 Q. Going back to this reactive airway disease,
are

15 you going to see that -- evidence of that on autopsy?

16 A. Well, the classic -- classic reactive airway
17 disease is something that you're really talking about in

18 kids. This is the kid who gets a cold and he starts to
19 wheeze when he has a cold, but he doesn't really have
20 asthma. And when you check in -- in between -- when he
has
21 no cold, he has normal spirometry and he has normal lung
22 function and only has wheezing when he gets bronchitis.

23 Q. Can adults get reactive airway disease?

24 A. Unusual unless they have some particularly
unusual
25 exposure to something.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

179

1 Q. But you do see it from time to time?

2 A. Yeah, I suppose you could see it. But it
would be
3 decidedly unusual to see -- well, in those people with
4 reactive airway disease, when they're symptomatic
they're
5 wheezing.

6 Q. Always?

7 A. Always. It's how you make the diagnosis.
They're
8 wheezing.

9 Q. What's the difference -- what is labored
10 breathing? You used that term.

11 A. Labored breathing really means that
subjectively
12 you're having difficulty. That term is dyspnea, meaning
I

if 13 subjectively am having a difficult time breathing. But
is 14 you observe most of these people, the objective criteria
15 that they are breathing faster and deeper, and they're
16 having some -- a difficult time. It's more effort for
them 17 to breathe.

rate 18 Q. So they will get an increase in respiratory
19 if they're feeling short of breath?

20 A. Most people -- sure. Most people who say, I'm
21 short of breath, indeed are breathing faster than
normal. 22 It's only the minority who are not.

23 Q. Did you see any evidence that Ms. McLean was
24 hypoxic?

25 A. In counter distinction to hypoxemic.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

8

180

1 Q. They're two different things, aren't they?
2 A. Well, hypoxemic means that your blood oxygen
level 3 is low. Hypoxic means that your tissue oxygen level is
4 low. We generally equate the two. And on a
5 minute-to-minute basis you can do that. Tissue hypoxia
6 implies that you're not getting enough oxygen to the
tissue.

7 When you truly get not enough oxygen to the tissue, then
you
8 get acidotic, which she wasn't.
9 Q. All right. So is the answer --
10 A. I better check that. Wait a minute. I better
11 check that. I can look at the blood gas and tell you in
a
12 minute.
13 She has some marginal tissue hypoxia based on
the
14 blood gas analysis.
15 Q. Well, the PCO2 is within normal range, is it
not?
16 A. Well, the PCO2 is really marginally low. I
mean,
17 I know that they show a normal range of 39 plus or minus
7,
18 but that's not really correct. The normal range,
really, is
19 40 plus or minus 5. So 32 is a bit low. And when you
do
20 your calculation, you start out with a PCO2 of 40. And
for
21 every change in PCO2 of 10, you should have a change in
.08.
22 Now, her PCO2 dropped seven-tenths, from 40
down
23 to 33, and so her change in pH should be seven-tenths of
24 .08. So let's say her pH should be .06, more alkalotic
than
25 the midline, which is 7.4. So her calculated pH, based
on

1 her PCO2, should be 7.46 and is actually 7.42. So she
2 actually has just a little bit of metabolic acidosis,
which
3 is because of her tissue hypoxemia.
4 MR. HAYES: Objection, nonresponsive.
5 Q. (By Mr. Hayes) At least -- I know -- I
understand
6 from your answer you don't agree with these normals that
the
7 hospital has there. But at least her PCO2 is within the
8 normal range as set out by the hospital?
9 A. As set out by this hospital, yes.
10 Q. Does the hospital you work at have normal
ranges?
11 A. Yes.
12 Q. Do you know what their normal range for a PCO2
is?
13 A. It's going to be 7. -- I mean, a PCO2, yes,
it's
14 going to be 35 to 45.
15 Q. Do you know whether -- why -- did they have
the
16 same normal range when you worked at MacNeal Hospital?
17 A. Yes. I've never seen anything other than
that.
18 Q. How about all these charts you've viewed over
the
19 years? Have you ever seen any different normal PCO2s
than
20 35 to 45?

1 adults and children.

2 MR. FREEMAN: Objection, nonresponsive.

3 A. Well, actually, that's for adults and
children.

4 But, you see, we -- at 92, we had an adult ICU, so we
could

5 put them in a adult ICU. We didn't have a pediatric ICU
so

6 that pediatricians wanted them transferred out.

7 Q. (By Mr. Hayes) Now, was that at MacNeal?

8 A. That was at MacNeal.

9 Q. So anybody -- if they were a kid and they had
a 92

10 percent oxygen saturation, they got sent to a children's
11 hospital or --

12 A. Yes.

13 Q. And if they're an adult at MacNeal, they got
put

14 in the intensive care unit?

15 A. Yes.

16 Q. Is that just blood oxygen samples or pulse
17 oximetry?

18 A. That's just -- that's pulse oximetry even with
--

19 even off of a finger, you know, monitor.

20 Q. So if they got 92, they got sent to the
intensive

21 care unit?

22 A. Yes, sir.

23 Q. On a pulse oximeter in the emergency room?

24 A. Yes, sir.

25 Q. Just one?

2 developed within two days to probabiy a couple of weeks,
3 they -- that's not enough time for the body to
accommodate?

4 A. Correct.

5 Q. So that type of person would fit into the
category

6 of what you described here who could walk five or 10
steps,

7 then would have to sit down and rest for a minute or
two,

8 and then maybe could walk another five or 10 steps and
then

9 sit down and rest for a minute or two?

10 A. Yes.

11 Q. Or is the -- the rest period going to take
longer

12 the further they walk?

13 A. Well, that's true too. I mean, you know, the

14 longer they walk the more hypoxic they get, the longer
it's

15 going to take them to recover. But -- but not

16 significantly, and we're not talking the difference
between

17 a minute and 20 minutes. We're talking about the
difference

18 between maybe a minute and two minutes.

19 Q. All right. In your report that you wrote, you
20 claim that the standard of care required Dr. Welch to do
21 further evaluation consisting of a -- consisting of a
22 ventilation perfusion scan.

23 A. Yes, sir.

24 Q. Are you saying that if a ventilation perfusion
25 scan had been done it would have demonstrated what you
call

189

1 a high probability for pulmonary embolus?

2 A. Yes, sir.

3 Q. What would you -- what would depend on --
4 ventilation perfusion scan show in a patient who had a
5 pulmonary embolus?

6 A. It would show multiple bilateral ventilation
7 perfusion mismatch.

8 Q. Do you interpret ventilation perfusion scans?

9 A. Yes. I see them. I mean, I don't do the
official
10 interpretation. That's done by either a radiologist or
11 pulmonologist, but we do see the results back in the ER.

12 Q. Then a CBC -- based on a blood work consisting
of
13 a of a CBC, electrolytes, BUN, creatine and prothrombin
time
14 and a PTT and an ECG, what would the CBC have shown?

15 A. Well, the CBC would -- I think, would have
been
16 normal. I mean, it would have established a baseline,
17 because the reason you need a baseline is you're going
to
18 anticoagulate the patient. And then if they have a
bleed,
19 you want to know where they started out from as a

have 20 measurement of how much they've bled if, in fact, they
21 a bleed from being heparinized.

that 22 Q. So this baseline blood work, are you saying
23 doesn't give you the diagnosis, that just tells you
whether 24 or not you use heparin to treat?

What it 25 A. It doesn't do either one of those things.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

190

the 1 does, it's baseline blood work. It doesn't either make
2 diagnosis, or it doesn't tell me who to treat or how to
3 treat. What it does is tell me at some later date where
I 4 am -- you know where I started from and where I am.

instance, 5 And if at some later date -- well, for
6 we need to get a prothrombin time and a
7 partial thromboplastin time because we're going to give
8 heparin, well, we need to know where we started from
after 9 the first dose of heparin, and it changes your values.

your 10 If you have a bleed, you will find out what
11 blood count is today, but we need to know where you
started 12 from. So these are all sort of baseline values that you

13 need to adequately proceed with treatment.

14 Q. Are there any other lung conditions that
affect a
15 ventilation perfusion scan?

16 A. Well, sure. A pneumonia affects a ventilation
17 perfusion scan, except that you have ventilation
perfusion
18 matching; that is, when you have an infiltrate on the
lung,
19 the infiltrate is a nonperfused segment that gets
20 nonventilated. Is there any other disease that causes
21 ventilation perfusion mismatch? No.

22 Q. What about bronchospasm?

23 A. Doesn't.

24 Q. It doesn't affect --

25 A. Does not cause ventilation perfusion mismatch.
It

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

191

1 would cause diffuse hypoventilation in it with no change
in
2 perfusion.

3 Q. So if the patient has bronchospasm, it can
affect
4 or cause the ventilation perfusion scan to be abnormal?

5 A. Right, but in a different way than does
pulmonary
6 embolus, and you'd be able to tell that on the scan.

recently 7 Q. Is there any information that has come up
the 8 questioning the value of ventilation perfusion scans in
9 diagnosis of pulmonary embolus?
talk 10 A. Well, there always has been, which is why we
about 11 about you don't make the diagnosis, you can only talk
12 the probability of it. And so there's low probability,
13 medium probability and high probability scans. And the
14 reason they are is that they're not foolproof. They're
not 15 a hundred percent diagnostic.
16 And indeed, there are people with pulmonary
17 embolus who have low probability scans, and those people
18 need to have angiography done. There are people with
19 significant pulmonary embolus who have moderate
probability 20 scans, and those people need to have pulmonary
angiography. 21 And it's only the people with high-probability scans
that 22 you don't need to do angiography on, you just treat them
23 with heparin.
24 Q. So is what you're saying the value of
ventilation 25 perfusion scans in the diagnosis of pulmonary embolus
has

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 always been controversial, to a degree?

2 A. Well, it's not controversial. It's just this,
3 that it is -- when it's really abnormal, it's good
4 information. But it can be almost normal and not
reflect
5 what's going on in the lung.

6 Q. All right. So a ventilation perfusion scan
could
7 have been run on Mrs. McLean and not shown anything?

8 A. Well, the reason why I didn't --

9 Q. Is that true?

10 A. No, I don't believe, in her case. And the
reason
11 I don't believe that is because she was significantly
12 hypoxic. She isn't one of these patients who is walking
13 around with a pulmonary emboli and a PO2 of 90 or a PO2
of
14 80 or a PO2 of 70. They don't get much lower than 56
and
15 still walk around. I mean, there are not many people
alive
16 who have no emphysema who have PO2s of 56 and survive

17 Q. All right. So your conclusion that the
18 ventilation perfusion scan would have been highly
probable
19 is based on her PO2, PaO2?

20 A. And the fact that I thought that the
explanation
21 of the PaO2 was pulmonary embolus and not asthma.

22 Q. Is there any other basis for that conclusion
other
23 than the PaO2?

24 A. No.

25 Q. Do you consider the ventilation perfusion scan
as

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

193

1 being sensitive or specific enough to rule in or exclude
the
2 diagnosis of P.E.?

3 A. No.

4 Q. I didn't hear you.

5 A. No, no. I mean, that's what we just talked
about.

6 It is not -- it is not real sensitive, and it can miss a
lot

7 of patients with P.E. who do not have a large number of
8 pulmonary embolus or do not have significant pulmonary
9 emboli.

10 Q. So other -- would you agree that other
pulmonary
11 disorders that can cause abnormal perfusion scans would
12 include asthma?

13 A. Yes.

14 Q. Emphysema?

15 A. Yes.

16 Q. Bronchitis?

17 A. I'd have a hard time figuring out how
bronchitis

18 could, but I suppose it could if it's bad enough.
There's a

19 disease called chronic bronchitis, which is what the
Brits
20 tend to call emphysema. That clearly is -- can affect
the
21 perfusion scan.
22 Q. Let me just ask you if you agree with this
23 statement: Abnormal perfusion scans are not only caused
by
24 P.E. but also by a large number of pulmonary disorders
25 including asthma, emphysema, bronchitis, bronchiectasis,

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

194

heart
1 pneumonia, pleural effusions, atelectasis, congestive
2 failure, pulmonary carcinoma and congenital cysts?
3 A. Yes, that's all true,
4 Q. Does -- have you been asked to testify at the
5 trial of this case?
6 A. Yes.
7 Q. And is it your intention to do so, to come
down to
8 Tarrant County and appear before a Tarrant County-Fort
Worth
9 jury?
10 A. Yes.

11 MR. HAYES: I'll pass the witness
12 (Recess at 5:00.)

13 E X A M I N A T I O N

14 (On the record at 5:08.)

15 BY MR. RYAN:

16 Q. Doctor, my name is Jeff Ryan. I represent
17 Dr. Godfrey in this lawsuit. I've got a few questions,
and
18 I apologize ahead of time for jumping around. I'm going
to
19 try to fill in a few gaps and questions that I need to
ask.
20 But if you don't understand where I'm going, please let
me

21 know, and I'll be happy to rephrase my question. Okay?

22 A. Yes, sir.

23 Q. In reviewing your CV, which has been marked as
24 Deposition Exhibit No. 2, generally speaking, it appears
25 that the majority of your time practicing medicine has
been

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

195

1 in the area of emergency medicine and specifically a
2 hospital-based practice; is that correct?

3 A. Yes, sir.

4 Q. Was there ever a time when you operated as a
5 family practice physician, for lack of a better term; in
6 other words, a physician that had an office practice and
you
7 saw patients on a day-to-day basis?

at 8 A. The closest I ever came to that was when I was
9 the University of Chicago, and I had a co-appointment in
10 internal medicine. All University of Chicago physicians
11 were sort of owned and operated by the university, which
12 means there were no private practices. There were only
13 university practices.

of 14 So I had a university practice. I had a group
15 patients that I followed. I had mostly a half a day a
week 16 that I had set aside to see all these patients so I had
to 17 have a clinic a half a day a week with my private
patients.

18 Q. Okay. And when was that?

I 19 A. That was sort of, like, 1970 -- actually, when
20 started my internship was 1971 through about 1980, oh,
'6.
21 And then things got a little too busy for me, and I
actually 22 dropped my private practice outpatient clinic.

23 Q. Since that time, it's been exclusively --

24 A. Emergency medicine.

25 Q. All right. Seeing patients that, generally

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

196

1 speaking, are of a more emergent basis than the typical

2 patient that would walk into a family practice
physician's
3 office?
4 A. Yes, sir.
5 Q. A couple of questions from your report, if you
6 don't mind, Doctor. On Page 1 of your report, the very
last
7 sentence, you make a comment about Dr. Godfrey filled a
8 prescription by telephone for erythromycin?
9 A. Yes.
10 Q. I guess I have a question -- my question on
that
11 statement is, is that significant for any particular
reason,
12 or is that just simply an observation as to what Mrs.
McLean
13 was treated with on February 14, 1996?
14 A. Wait a minute, and I'll tell you. No, that
was
15 just to recap a history of what was going on with her
prior
16 to when all of it started.
17 Q. Okay. Fair enough. At the bottom of Page 2
on
18 your report, I need to ask you a question about a couple
of
19 the statements you make there. It is my understanding
that
20 the basis for evaluating a patient for pulmonary
embolism on
21 April 24th is due to the setting of documented
hypoxemia, a
22 clear chest x-ray and no evidence of wheezing; is that
23 correct?
24 A. Yes.

common 25 Q. You indicate in your report that the most

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

197

1 cause of dyspnea, with that setting -- specifically, a
2 setting of documented hypoxemia, clear chest x-ray, and
no 3 evidence of wheezing -- is pulmonary embolism, correct?

4 A. Yes.

5 Q. What is the most common cause of dyspnea when
6 there is no documentation of hypoxemia?

7 A. With or without the wheezing or chest x-ray?

8 Q. Without any of them.

9 A. Oh, well, gosh. The most common cause of
dyspnea,
10 it's probably going to be a toss-up between congestive
heart
11 failure with varying amounts of pulmonary edema and
asthma.

12 And it's going to vary a little by age group. In other
13 words, in the older age group, it's going to be
congestive
14 heart failure and pulmonary edema. In middle-aged
folks,
15 it's -- the most common cause can be asthma.

16 Q. In somebody such as Mrs. McLean -- I'm sorry.
In
17 somebody such as Ms. McLean, a 30-year-old individual,

dyspnea 18 the -- would you agree that the most common cause of
19 in the absence of documented hypoxemia or a clear chest
20 x-ray and no evidence of wheezing would be asthma?
not 21 A. You said no evidence of wheezing? No, it's
22 going to be asthma, because you don't make the diagnosis
of 23 asthma without wheezing. If you have dyspnea and you
don't 24 have wheezing, you haven't got asthma. You've got
something 25 else.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

198

1 Q. Okay. What do you have?
you 2 A. Well, you're going to be thinking about -- if
3 have an absolutely clear chest, that means no rales.
4 Rales -- no rales means no pneumonia, and no rales means
no 5 pulmonary edema, no wheezing means no asthma, you're
going 6 to have a P.E.
7 Q. What percentage of the time? All the time?
8 A. Pretty high percentage.
9 Q. Greater than 90 percent?
that 10 A. There's going to be some other things that --

There
11 can cause shortness of breath such as severe anemia
12 are going to be people who will not be documented as
13 hypoxemic if you did the tests, but they feel weak and
short
14 of breath. Primarily, those are going to be anemic --
15 patients who are anemic. Or it could be patients who
have
16 some infectious disease such as influenza.

17 Q. When we talk about dyspnea, we've already
18 explained that that means trouble breathing, correct?

19 A. Difficulty is the term.

20 Q. I apologize. We did. Difficulty breathing.
If a
21 patient comes in with the symptom of difficulty
breathing,
22 that, in and of itself, what are the top five things
that
23 that would point you to as a physician as possible
diseases
24 or possible problems?
25 A. In this age group?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

199

1 Q. Yes, sir.

2 A. Independent of everything else, independent of
any
3 other symptoms, any other answers to any other
questions,

it's 4 dyspnea in this age group is going to be a pneumonia;
5 going to be asthma; it's going to be to some degree,
6 probably, of anemia; it's going to be pulmonary embolus;
cardiac 7 probably some degree of -- some sort of underlying
8 disease.

dyspnea, 9 Q. We can agree that the identification of
10 in and of itself, should not lead a physician to the
11 performance of tests to rule in or out pulmonary
embolism,
12 can't we?

that is 13 A. That's true, not automatically. That's --
14 not a starting point.

true 15 Q. Okay. And that would, obviously, be just as
Would 16 if the finding were occasional dyspnea on exertion
17 you agree with that?

are 18 A. That's absolutely true. I mean, the starting
19 points are chest x-ray and some objective measurement of
20 you hypoxemic or not, whether it be in a -- well, these
21 days, really, an oxygen saturation, because it's a
22 nonevasive test.

Are 23 Q. Are you -- well, let me ask you that, then.
necessary 24 you suggesting in the office setting that it is
25 every time a person comes in with an indication of

1 difficulty breathing that blood gases be done?
2 A. Oh, no. That is not necessary and not
indicated.
3 Q. Is it necessary and indicated every time a
patient
4 comes in with occasional difficulty breathing that pulse
5 oximetry be done in a --
6 A. No. No, not even that. I mean, the starting
7 point, quite frankly, is a good history to find out what
it
8 is that brings on the dyspnea followed by a physical
9 examination particularly aimed at examining the lungs
I
10 mean, that's -- that's the minimum. That's the starting
11 point.
12 Q. Okay. Is the existence of headaches
consistent or
13 inconsistent with pulmonary embolus?
14 A. Sort of independent of. I mean, you can have
your
15 headaches; you don't have to have your headaches.
Hypoxemia
16 can cause headaches. But there are people who die of
17 hypoxemia that don't have headaches. So, you know, it's
18 a -- you might have it; you might not. There's no
strong
19 linkage
20 Q. What we sometimes call as nonspecific?
21 A. Yes, that's true.

22 Q. Vomiting, is that a nonspecific finding with
23 respect to pulmonary embolisms as well?

24 A. Yes.

25 Q. And cough, I think we've talked about, can be
a

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

201

1 symptom of pulmonary embolism, correct?

2 A. Yes.

3 Q. But it can also be a symptom of a number of
other
4 problems including asthma and reactive airway disease,
5 correct?

6 A. Yes.

7 Q. In your report, you make a comment that -- you
say
8 that it is well known that there is a ten-fold increase
in
9 the incidence of pulmonary embolus in patients taking
birth
10 control pills. We've talked a lot about that issue
today
11 already in your deposition. And I'm just wondering if
you
12 know off the **top** of your head what -- what the basis is
for
13 your comment that it is well known that there is a ten-
fold
14 increase. Are you referring to a particular study?

that's 15 A. Oh, no, no. I'm just -- I think that's --
general 16 sort of general out there. If you went to sort of a
YOU 17 medical textbook, it would tell you that there's a --
18 know, a many-fold increase in the incidence of pulmonary
of 19 embolus in patients on birth control pills, independent
estrogen 20 this issue of whether they're high or low or medium
21 pills.

thinking 22 Q. But there's no specific study that you're
23 of or referring to to make that comment?

24 A. No

known 25 Q. You also make the comment that it is well

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

202

embolus 1 that the vast majority of patients with a pulmonary
100 2 will have either tachycardia, being pulse greater than
per 3 per minute, or tachypnea, respirations greater than 20
4 minute or both. Again, is there a specific study that
5 you're thinking or --

6 A. No.

7 Q. That's just your experience?

8 A. Well, it's only my experience. But if you
looked
9 this -- if you looked that data up in standard textbooks
of
10 emergency medicine or internal medicine, you'll find
that
11 there will be a discussion on tachycardias and
tachypneas.

12 Q. And while --

13 A. Tachypnea being the major of the two.

14 Q. Okay. And while those may be symptoms, would
15 you -- well, actually, signs, correct?

16 A. Yes.

17 Q. While those may be signs, you would agree that
18 pulses greater than 100 a minute or respirations greater
19 than 120 a minute are not diagnostic of pulmonary
embolism,
20 correct?

21 A. That's true.

22 Q. If a patient comes in with those findings,
they do
23 not allow you to determine that the patient does, in
fact,
24 have a pulmonary embolism, correct?

25 A. True.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

203

visit 1 Q. You talk in your report about Mrs. McLean's

2 to Dr. Godfrey's office on April 29th of 1996. I need
to
3 ask you a question about that. The very first place
that
4 you say Dr. Godfrey deviated from the standard of care
is
5 when you point out that he failed to review his entire
6 emergency department chart from -- her entire emergency
7 department chart from April 24th of '96; is that
correct?
8 A. Yes.
9 Q. What is the basis, in your opinion, that
10 Dr. Godfrey was required to review the emergency room
11 department chart of April 24th?
12 A. That's a very standard -- that's a very
standardly
13 taught approach to emergency visits, to patient -- to
14 practitioners of office medicine. When I was at
MacNeal,
15 actually, I was a member of the department of family
16 practice. And when this didn't happen and when we
failed to
17 send a copy of an ER chart to a family practitioner
within
18 24 hours, it became a major issue.
19 And virtually everyone in office medicine --
be it
20 pediatrics or obstetrics and gynecology or internal
medicine
21 or family medicine -- all know that if you've sent the
22 patient to the ER, you've got to get a hard copy of the
23 report. It wasn't such a big issue 20 years ago, but
now
24 everybody's got fax machines, and you know, you've got
to

25 have the data to review

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

204

send 1 Q. Do you teach the emergency room personnel to
2 the charts to the family practitioner or the family
3 practitioner to get emergency room records?

is, 4 A. Well, we teach our folks to be proactive; that
5 we have a system set up whereby these charts do get sent
to 6 the family practice people. And, in fact, depending
upon 7 the size of the family practice, they actually -- some
of 8 them have people stop by every morning to pick up the
charts 9 from the previous, you know, day. So both groups do
this.

can 10 Q. These teachings that you refer to, are they --
11 they be found in any books or textbooks that describe
the 12 need to obtain prior emergency room records when a
family 13 practice physician is examining a patient?

would 14 A. I've never actually looked for any. And I
or 15 be surprised if you looked in a family practice textbook

about 16 family medicine textbook and it talked about something
office 17 how to run your, you know, family medicine clinic or
18 that it didn't say something about this issue.
19 Q. As we sit here today, I take it there are no
intend 20 documents, textbooks, journals or the like that you
21 to rely on to support your opinion that it was incumbent
22 upon Dr. Godfrey to review the ER records from April
24th of 23 '96; is that correct?
24 A. That's correct. I think I'd know enough about
that 25 that practice of what happens to be able to state that

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

205

1 is the standard of care.
2 MR. FREEMAN: Nonresponsive objection
after 3 "that's correct."
4 Q. (By Mr. Ryan) You're not suggesting, are you,
5 Doctor, that the standard of care requires a physician
6 treating a patient to obtain the medical records from
other 7 physicians that the patient has seen in recent proximity
to 8 that particular visit, are you?
9 A. No. I'm talking about emergency room visits

10 specifically.

11 Q. And that's what I want to clear up. Your
12 testimony is not that if a patient comes in to see a
13 Dr. Smith in mid April that Dr. Smith, it is incumbent
upon
14 him to obtain the medical records from other doctors
that
15 may have seen this patient for similar or related
symptoms
16 in the previous month. You're not saying that, are you?

17 A. In a non-ER setting?

18 Q Pardon?

19 A. In a non-ER setting?

20 Q. Yes, sir, in a non-ER setting.

21 A. That's correct, I am not saying that.

22 Q. And, Doctor, you're not telling the ladies and
23 gentlemen of the jury that the standard of care in
24 Fort Worth, Texas requires that every time a family
practice
25 physician sees a patient in his office that it is
incumbent

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

206

1 upon him to obtain all of the emergency room records
from a
2 patient's visit within recent proximity to that visit,
are
3 you?

4 A. Well, no, just the ones that are of clinical

5 significance. I mean, all of them would include the
6 discharge instruction, the registration sheets. I mean,
7 that's not the stuff that counts. The stuff that counts
is
8 the physician and nursing records.

9 Q. Okay. So are you saying that it is incumbent
upon
10 a physician, a family practice physician, to obtain all
11 physician records and nursing records and labs, et
cetera,
12 from emergency room visits that their patients have made
in
13 close proximity to the time that they're actually
examining
14 them?

15 A. Yes.

16 Q. Again, do you have any other documents or
basis
17 for that opinion other than your own experience
practicing
18 here in Chicago?

19 A. No.

20 Q. If Dr. Walton were to testify, a physician in
the
21 Dallas-Fort Worth area, that it is not incumbent upon a
22 family practice physician to obtain all emergency room
23 records under such a situation, I take it you would
disagree
24 with him, then?

25 A. I would disagree and be very surprised.

nothing the
1 Q. So your testimony is, is that the basis for
2 obtaining emergency room records on April 29th is
3 more than the fact that the doctor was aware she went to
4 emergency room?

5 A. Yes.

6 Q. So regardless of what --

you
7 A. Well, and she -- and she's here now with a --
8 know, an acute problem.

told
9 Q. Okay. And so regardless of what the patient
10 the doctor, regardless of whether the patient brings the
11 doctor a discharge sheet showing what the emergency room
12 diagnosis was, regardless of what was discussed between
the
13 patient and the doctor as to what happened in that
emergency
14 room, your testimony is that in all situations where a
15 person has gone to the emergency room it is incumbent
upon
16 them to get those records?

17 A. No. Let me correct that. I think I see where
18 you're going. Obviously not. I mean, if this patient
was
19 there a week ago with a laceration that the doctor
looked at
20 and said, Gee, I'm going to clean it, and it doesn't
require

her 21 any sutures, no, he doesn't -- and she goes back to see
later, 22 primary care physician for some other problem a week
23 obviously, he doesn't need to get copies of that record.
continued 24 I'm talking about patients who have a
cut 25 illness and in something that is, obviously, more than a

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

208

1 or a scrape or some simple self-limited disease.
2 Q. Earlier in your deposition, you made a comment
3 about there's usually a reason that causes a patient to
go 4 to the emergency room as opposed to simply going back to
5 their family practice doctor.

6 A. Sure.

7 Q. And I think the implication in that was -- and
8 correct me if I'm wrong -- that just the fact that the
9 patient chose to go to the emergency room suggests a
10 seriousness that should be considered by a family
practice 11 physician who is knowledgeable of the decision to go to
the 12 emergency room.

13 A. That's true.

14 Q. Is that accurate?

15 A. Yes.

16 Q. And is that -- is that the reason or the basis
for
17 a family practice physician needing to get those
emergency
18 room records; i.e., the knowledge that, hey, this
patient
19 was so sick, however sick she was, that she chose to go
to
20 the emergency room?

21 A. Well, no, because, obviously, like I say, with
the
22 laceration, you know, that's not a complex disease that
23 requires much in the way of thought regarding follow-up
and,
24 in fact, may not even require any follow-up. The reason
for
25 that statement was that -- really two-fold

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

209

1 One is that patients with chronic disease are
2 usually already plugged in to the medical system, the
3 medical care system, and they usually go back and see a
4 primary care physician on a regular, repeated basis.
And
5 when those people show up in the emergency department,
you
6 have to say to yourself and to them, Why would this rash
7 that you've had for three years are you here tonight or
on

8 Saturday or Sunday or, you know, even Tuesday or
Wednesday
9 afternoon -- I mean, why is it you're here?
10 The answer maybe innocuous. It may be, My
doctor
11 is on vacation. My doctor is not available. I've got
to
12 wait three weeks for an appointment, I'm impatient, or
13 whatever. But the answer also may be, Well, I'm here
because
14 not only do I have the rash, but I also have the chest
pain
15 today. So, you know, there's something that brought
them
16 in.
17 And the other thing is that you put that aside
for
18 a minute, take all of those patients that you and I
believe
19 have trivial complaints, truly trivial -- I've got a
sore
20 throat -- you have to ask yourself why would someone
come to
21 the average ER, the average ER being either hot or cold,
but
22 uncomfortable, a place with crying kids, a place that
23 there's no comfortable place to sit, all the magazines
are
24 old, there's no good television, and you're going to sit
and
25 wait for anywhere from 30 minutes to three or four or
six or

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 10 hours to be seen by somebody you don't know and, you
2 know, in a gown that doesn't fit -- I mean, it's a
terrible
3 place to want to be. Nobody wants to be in the ER.
4 So if you see somebody with a trivial illness,
you
5 have to say to yourself, You know, to that person, this
sore
6 throat must be really important, because they were
willing
7 to do all this to come to this uncomfortable situation
to be
8 cared for, you know, for that sore throat. So you have
to
9 say to yourself, Well, why is that, you know. And the
10 answer might be, Well, because I also had a temperature
of
11 106 -- in which case, that's a really good reason -- or
the
12 answer might be, I don't have a primary physician, in
which
13 case -- well, that's a reasonable reason to come too.
14 But you're always looking for reasons why
people
15 do things that are not the equivalent of going out and
16 playing golf on Saturday. And sometimes getting the
answer
17 to that question may be really important in saving their
18 lives.
19 Q. Sometimes the answer might be that they didn't
20 originally intend to go to the ER. They intended to go
to
21 their family practice physician, right?

22 A. That's also true. But you'll never find the
23 answer unless you look for it.

24 Q. Even in a situation if you find out that the
25 reason they came to the ER, as opposed to their family

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

211

physician 1 practice physician, was that their family practice
indication, 2 told them to go there, that would give you some
problem 3 would it not, as to how serious they thought their
 4 was?

obviously, 5 A. Well, that's also true, in which case,
obviously, is 6 if that's what happened, the family physician,
I 7 going to want to find out, you know, what happened when
 8 sent you to the ER.

information 9 Q. Precisely. And that's why if you get
thought 10 back from that ER physician that documents what they
you 11 the patient had, there are certainly circumstances where
 12 can see that that would be the only records a family
certainly 13 practice physician would want to see. You could
 14 see that scenario, could you not?

15 A. Aren't you and I saying the same thing?
16 Q. I think so.
17 A. That you need to get those records.
18 Q. All the records?
19 A. Well, what wouldn't you get? I mean, I don't
20 understand what you're driving at. I'm talking about
the
21 relevant nursing and physician records. I don't care
about
22 registration and all that sort of stuff. But the
patient
23 that Dr. Godfrey sent to the ER, Dr. Godfrey should want
to
24 see the relevant nursing and physician records from the
ER
25 regarding that visit.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

212

1 Q. I understand. We've already gone through --
2 A. So --
3 Q. -- we've already gone through that. You
believe
4 that in all situations, then -- and tell me if this is
true.
5 You believe that in all situations absent the patient
6 getting treated for a broken leg and coming back for
7 something else later on, that in all situations that a
8 patient comes to see a family practice physician, if
they

it 9 have been to an emergency room within a previous month,
room 10 is incumbent upon the doctor to obtain all emergency
labs, 11 records related to physician records, nursing records,
12 et cetera?
If 13 A. Well, no, only if it's a continuing problem.
14 it's a self-limited problem that went away, completely
15 resolved and now you're here for something different and
16 that self-limited problem was felt by you to be trivial
to
she 17 begin with, no. In other words -- well, let's say that
18 went there with an earache, and the doctors gave her
19 medicine for her earache. Her earache completely goes
away.
20 You sent her there because you were closed, or
21 whatever, on Saturday or Sunday or whatever. And then
you
22 see her a month later, and now she's complaining of
cough
23 and shortness of breath. I don't think the earache is
24 relevant. It was a self-limited illness. It went away.
It
25 completely resolved. It was appropriately treated.
It's

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 obviously not a continuing problem.

2 MR. RYAN: Objection, nonresponsive.

3 Q. (By Mr. Ryan) I probably haven't been very
clear
4 with my questions, Doctor. I apologize. The question I
was
5 getting at originally goes to the basis of your opinion
that
6 it is necessary to get the emergency room records. And
my
7 question is this: Is it the simple fact that the
patient
8 went to the emergency room for a condition that they
9 perceive to be more serious than the conditions that
would
10 typically take them to their family practice physician
that
11 should indicate to a family practice physician they need
to
12 see those records?

13 A. You mean that the patient thought was more
14 serious, or that the doctor thought was more serious?

15 Q. Start with the patient.

16 A. Well, I would think under both circumstances
if
17 both -- either the doctor or the patient thought that
18 whatever was going on was serious enough to justify a
19 emergency department visit, because it was more serious
than
20 something that the doctor could handle in his office,
that
21 the doctor should, in fact, get the documentation and
find
22 out what's going on.

23 Q. Regardless of whether or not he receives

this 24 documentation from that emergency room physician saying
25 is what I've diagnosed the patient with?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

214

1 A. Well, no. Obviously, he's got that
documentation,
2 then why would he need to see other -- I mean, why would
he
3 need to see the records if -- oh. Okay. So let's say
that
4 the ER doc writes him a letter and says, Okay. I saw
your
5 Patient XYZ in the emergency department, she had this.
Does
6 he need to see documentation, no.

7 Q. Thank you. One of the comments that you make
in
8 your report is that the doctor failed to meet standard
of
9 care when he diagnosed reactive airway disease, failed
to
10 suspect pulmonary embolism and failed to admit the
patient
11 to the hospital. You know the paragraph I'm talking
about,
12 with respect to Dr. Godfrey?

13 A. Generally, yes.

14 Q. It's my understanding that -- I'm going to
work
15 backwards here. Your position is, is that either on

done 16 April 29th or subsequent visits Dr. Godfrey should have
17 more to rule out or rule in pulmonary embolism. Is that
18 fair to say?
19 A. Yes.
20 Q. Okay. And would it be true that standard of
21 care -- it would have been acceptable and he would have
met 22 the standard of care on either of those dates if he had
23 simply referred Mrs. McLean to the emergency room for
24 further tests or follow-up?
25 A. Yeah, I think so.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

215

Godfrey 1 Q. And is it fair to say -- let me back up again.
2 When you talk about the things that you think Dr.
3 should have done with respect to his referral, does that
4 assume -- strike that. Bad question.
5 We've already talked about your belief that
6 Dr. Godfrey should have reviewed the emergency room
7 records. We discussed that at length, correct?
8 A. Yes.
9 Q. Is the review of the emergency room records,
10 including the blood gas results, necessary and something
11 that you assume in order to place Dr. Godfrey in the

12 additional responsibility of them looking at that
13 information and referring the patient back to the
hospital
14 For additional tests? Do you understand what I'm
asking?
15 A. No.
16 Q. Okay. It was, again, a bad question, end of
the
17 day. Well, let's look at it. In the middle of the
third
18 paragraph on Page 2, where you're talking about the
April
19 29th visit, it talks about Dr. Godfrey and him failing
to
20 review the entire emergency department chart. Do you
see
21 that? I'm sorry, on Page 3, third paragraph down.
22 A. Right. Okay.
23 Q. And it says, In addition, he failed to meet
the
24 standard when he diagnosed reactive airway disease,
failed
25 to suspect pulmonary embolus and failed to either admit

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

216

1 Ms. McLean to the hospital or refer her to the emergency
2 department.
3 My question is this: Is it your belief that
the
4 review of the emergency room records is what would have
put

5 the burden upon Dr. Godfrey to refer this patient for
6 additional testing or admit her to the hospital?

7 A. Oh, not singly and solely, no, because he
should
8 have been able to reach the conclusion that something
wasn't
9 quite kosher vis-a-vis the fact that she was now
carrying
10 this diagnosis of reactive airway disease, using
Albuterol.
11 He was going to add Azmacort because he clearly thought
she
12 had asthma, but she had lungs that he describes as
fairly
13 clear, which he says in his deposition meant no wheezes.
14 So, you know, that whole thing doesn't mesh
into a
15 single diagnosis or a plan to treat that diagnosis. And
16 therefore, he needs to have been thinking, Gee, you
know,
17 something doesn't -- two and two doesn't add up to four
18 here, you know.

19 Q. Tell me, then, what it is that Dr. Godfrey saw
or
20 knew about as of the April 29th, 1996 visit that you
believe
21 imposed a burden upon him to admit this patient to the
22 hospital or send her to the emergency room for further
23 testing.

24 A. That she was getting exertional dyspnea with
no
25 wheezes on her chest x-ray at a time when he was making
the

217

with 1 diagnosis of reactive airway disease and treating her
2 Albuterol and Azmacort.

3 Q. The treating of the patient with Albuterol and
4 Azmacort --

5 A. Well, basically, he was diagnosing asthma and
6 treating asthma when she had no evidence of
bronchospasm.

7 And he hadn't done spirometry to document the in-between
8 episodes of acute bronchospasm that she was having any
9 abnormalities in her spirometry.

10 Q. You're not saying do any spirometry. You're
not 11 suggesting the standard of care of a family practice
12 physician was that spirometry was necessary at that
13 particular time, are you?

14 A. Well, actually, I think he should have
suspected 15 that she had a pulmonary embolus at that time and sent
her 16 back to the emergency department for additional testing.

17 Q. Okay. And again, that is based upon nothing
other 18 than her coming in with evidence of exertional dyspnea
19 without wheezes and what else?

20 A. And the fact that she was being treated with
21 Ventolin and in his reaching the conclusion that this

22 middle-aged person who never had asthma now has asthma
23 Q. Okay. Anything else --
24 A. No.
25 Q. -- that you -- okay. With respect to your
comment

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

218

1 about this middle-age person, you've seen middle-age
people
2 develop asthma, have you not?
3 A. Uh-huh.
4 Q. I'm sorry.
5 A. Yes.
6 Q. Is that a common, uncommon scenario?
7 A. It's relatively uncommon. Most people develop
8 asthma before they're middle aged.
9 Q. Some certainly do develop it in their middle
ages,
10 correct?
11 A. Sure.
12 Q. Is it ever associated with any other health
13 condition such as morbid obesity?
14 A. Well, it can be associated with a lot things.
15 Q. Okay.
16 A. But morbid obesity is not a risk factor for
17 developing asthma.
18 Q. Not a predisposing factor?

19 A. No.

20 Q. What are some of the predisposing factors of a

21 person developing asthma in their middle ages?

22 A. An allergic history. That's about it.

23 Q. Any others?

24 A. Not that I can think of.

25 Q. You're not suggesting it's against the
standard of

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

219

drugs 1 care to treat -- to have treated Ms. McLean with the

2 that she was treated with, are you, on April 29th?

3 A. I think in the absence of a diagnosis, it was.

improving 4 Q. Isn't the evidence that an individual is

5 after treatment has been instituted, isn't that some

6 evidence that the original diagnosis may have been

accurate 7 and that the treatment regimen is appropriate?

8 A. It could be. But she is still symptomatic

with 9 exertional dyspnea, and yet she has a clear chest. And

10 those two don't make any sense. Why should this

individual 11 who hasn't suddenly gained another 50 pounds or

something -- 12 I mean, she's been cruising along at 250 pounds for a

little

her 13 while -- suddenly develop exertional dyspnea that brings
14 to an ER and now brings her to the office when, in fact,
15 nobody's ever heard her wheeze. I mean, you can't blame
it 16 on asthma. You've got to be thinking of something else.
17 She has acute symptomatology and a clear chest.

18 MR. RYAN: Objection, nonresponsive.

19 Q. (By Mr. Ryan) One of the other attorneys was
20 asking you a question about the differences between
chronic 21 pulmonary embolism and acute pulmonary embolism. I
think 22 this is where this question is going is -- first of all,
you 23 would agree that the diagnosis of acute pulmonary
embolism 24 is oftentimes a very difficult diagnosis to make?

25 A. Yes.

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

220

chronic 1 Q. Is it your opinion that the diagnosis of
2 pulmonary embolism can be even more difficult?

3 A. Yes.

4 Q. How prevalent is chronic pulmonary embolism as
5 opposed to acute pulmonary embolism? Do you know?

every 6 A. A lot of people die of pulmonary embolism

anyone 7 year, I mean hundreds of thousands. I don't think
And 8 knows the true incidence of chronic pulmonary embolism
you 9 the reason for that is that on postmortem examination if
10 look carefully, there's a lot of people who have
11 thromboembolism, pulmonary thromboembolism.
is 12 And the problem that is difficult to determine
13 whether that was a morbid problem or an immediately
of 14 premorbid problem; that is, was it associated with sort
fact, 15 the terminal two or three days of life or was it, in
16 present for some time prior to that. I mean, it's a
people 17 difficult problem to determine, because most of the
anyhow. 18 that we're talking about are people who are elderly
to 19 So, you know, it becomes a difficult question
didn't 20 answer in terms of would they have had these if they
spite of 21 have some other terminal illness. And if so, or in
22 that, are these somehow associated with the death. And
1 23 don't think anybody has a really good finger on that.
whether 24 Q. Fair enough. Do you have an opinion as to
25 Ms. McLean had a pulmonary embolus on April 29th?

1 A. April 29th, yes.

2 Q. And what evidence would you point to to
suggest

3 that she, in fact, did have a pulmonary embolism on that
4 date?

5 A. I think she did. She had been in to the
emergency

6 department with all of these complaints, and she was
having

7 exertional dyspnea.

8 Q. Is there anything about the visit on the 29th,
9 though, that would make you believe she had a pulmonary
10 embolism, absent the knowledge of the findings on April
24?

11 A. Yes, because she was having exertional dyspnea
12 with a clear chest.

13 Q. So you're saying every time a patient comes
into
14 your office with evidence of exertional dyspnea with a
clear
15 chest, pulmonary embolism?

16 A. No. I'm saying you do a history and physical,
and
17 you find out whether you think that they're anemic. You
18 find out if they've been staying up all night and
drinking.

19 I mean, there's all sorts of things that can make people
20 tired and fatigued. You only get there by doing a
history

21 and physical.

22 But when you come to the end of this, we don't
23 find any other cause for her to have exertional dyspnea.
24 And Dr. Godfrey clearly is indicating bronchospasm, and
she
25 clearly doesn't have bronchospasm. I mean, if you have

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

222

1 reactive airway disease but you're not having
bronchospasm,
2 you're not dyspneic. If you're not having wheezing and
3 you're not having bronch -- if you're not having
wheezing,
4 you're not having bronchospasm, basically. If you're
not
5 having bronchospasm in the presence of reactive airway
6 disease, you're asymptomatic. You feel well.

7 MR. RYAN: Objection, nonresponsive.

8 Q. (By Mr. Ryan) We've already established that
a VQ
9 lung scan is not going to definitively diagnose a
patient
10 with pulmonary embolism, correct?

11 A. That's true.

12 Q. Okay. It will give a physician probability of
13 pulmonary embolism?

14 A. That's true.

15 Q. In order to make that definitive diagnosis, it
16 would actually take additional tests?

17 A. That's true.

18 Q. Tests that are performed in a hospital,
correct?

19 A. Yes.

20 Q. Prior to making the decision to do a VQ lung
scan,
21 a person would have to have a high suspicion of
pulmonary
22 embolism before the standard of care would require such
a
23 test. Would you agree with that?

24 A. You know, I don't know that those terms are
very
25 useful: low, medium or high degree of suspicion. You
have

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

223

you're 1 to suspect it, obviously. If you don't suspect it,
2 not going to do the tests. If you don't do the tests,
3 you're not going to make the diagnosis.

4 Q. Okay. And maybe what I'm getting at is this:
5 There are certainly -- you've heard the term
"differential
6 diagnosis," correct?

7 A. Sure.

8 Q. Differential diagnosis, in it's broadest
sense,
9 can refer to every single disease that could be the
possible

10 cause of any given symptom, correct?

11 A. Yes.

12 Q. And you're not suggesting that every time
13 pulmonary embolism comes into a differential diagnosis,
14 using that definition -- meaning, say possible cause --
15 you're not suggesting that every time that happens the
16 physician should be led to performing a VQ lung scan,
are
17 you?

18 A. No.

19 Q. Okay. And what I'm trying to get at it is you
20 would at least agree with me, would you not, sir, that
there
21 is a certain amount of judgment, clinical judgment, that
22 goes into the decision-making process by a physician as
to
23 whether or not the symptoms and the signs that they're
24 presented with rise to the level to where it is
incumbent
25 upon them to get additional tests such as a VQ lung
scan?

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

224

And 1 A. Absolutely. That's what this is all about.

2 what we're talking about is my red light-green light

3 analogy, that in the light of documented proven
hypoxemia by

4 multiple methods and multiple times on the 24th, she was

was 5 clearly proven to be hypoxemic, and the cause of that
and 6 felt to be bronchospasm. She had a clear chest x-ray,
and 7 you can't have bronchospasm as the cause to that degree
in a 8 have hypoxia without wheezing, which means that you are
what 9 group where you are hard-pressed to think of another
10 diagnosis besides pulmonary embolus. That makes her in
11 you call the high-suspicion category, whatever term you
12 used.

13 MR. RYAN: Objection, nonresponsive to
14 everything after "absolutely."

15 Q. (By Mr. Ryan) Doctor, would you agree with me
16 that all delays in diagnosis do not constitute breaches
of 17 the standard of care?

18 A. Clearly.

19 Q. And by the same token, would you agree that
all 20 missed diagnoses do not, in and of themselves,
constitute 21 breaches of the standard of care?

22 A. Yes. I agree with that statement.

23 MR. RYAN: That's all the questions I
have 24 right now, Doctor, appreciate your time

25 (5:56 p.m.)

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

1 (Exhibit No. 12 marked.)

2 MR. STEED: For the record, we're marking
3 Exhibit 12, which is the deposition transcripts of
Godfrey,
4 Novotny and Welch.

5 I would just ask the court reporter to
COPY
6 that as is with the highlighted portions in the notes.

7 MR. FREEMAN: Can we include Steven
Deutsch
8 and Stillwagoner too?

9 MR. STEED: Make those 13 and 14.
10 (Exhibit Nos. 13 and 14
11 marked.)

12 THE WITNESS: And return them to Dr.
Baker
13 when you're done.

14 E X A M I N A T I O N

15 (On the record at 5:58
p.m.)

16 BY MR. FREEMAN:

17 Q. Doctor, you had said, regarding this ten-fold
18 increase risk business, that any general medical
textbook
19 would have that sort of stuff in it. Do you recall
20 that?

21 A. Yes, sir.

22 Q. Would you name one so I can go look it up?

23 A. Sure. Harrison's Principles and Practice of

24 Internal Medicine.

25 Q. You had mentioned guidelines at previous
hospitals

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

226

1 regarding oxygen saturation. Do you recall that?

2 A. Guidelines.

3 Q. Guidelines, protocols at the MacNeal --

4 A. Oh, no, I can tell you what we did. But I
5 don't --

6 Q. Sir --

7 A. -- they're codified.

8 Q. -- I'm sorry. You had mentioned some
guidelines.

9 I want to know who at the hospital I could ask to get a
COPY

10 of those. That's all I want to know.

11 A. I think what I told you was this is what we
did,

12 but I don't think that they're written down anywhere.

13 Q. Who at the previous hospital would I contact
to

14 find out? Who would I call?

15 A. You mean, to see if they're written down
anywhere?

16 Q. Yes, sir.

17 A. Well, I suppose you would call the director of
18 intensive care, but I don't know who that is now.

19 MR. FREEMAN: Thank you.

20 MR. HAYES: I'll reserve the remainder of
my

21 questions until the time of trial

22 A. Or you know what? Or you could talk to the
23 chairman of pediatric.

24 MR. FREEMAN: Thank you.

25 And let the record reflect that at 6
o'clock

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

227

1 we've finally gotten the undated report from
2 Dr. Youngblood

3 (End of proceedings at
4 6:00 p.m.)

5

6

7

8

9

10

11

12

13

14

15

25

228

10

I, FRANK J. BAKER II, M.D., solemnly swear or
under the pains and penalties of perjury that the
pages contain a true and correct transcript of the
given by me at the time and place stated, with the
corrections, if any, noted on a separate sheet of paper
attached hereto, and that I am signing this before a
Public.

11 FRANK J. BAKER 11, M.D.

12

13

14

15 STATE OF _____ *

16 COUNTY OF _____ *

17

18 SUBSCRIBED AND SWORN TO BEFORE ME by FRANK J. BAKER

II,

19 M.D. on this, the _____ day of _____,
1999.

20

21

22 _____
NOTARY PUBLIC

23

24 My Commission Expires: _____

25

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

229

1 C O R R E C T I O N S T O T H E

2 D E P O S I T I O N O F

3 FRANK J. BAKER II, M.D.

4 PAGE/LINE READS SHOULD READ
REASON

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

FRANK J. BAKER 11, M.D.

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

230

1 CAUSE NO. 141-172582-98

2 SIMEON EDEN McLEAN, Individually * IN THE DISTRICT

COURT 3 and as Heir to the Estate of *

4 DELORES McLEAN, Deceased, and *

5 SIMEON EDEN McLEAN, as Next *

6 Friend of JAMILA IMARI McLEAN, *

7 IMANI ZAKIYA McLEAN and *

8 MAHLON McLEAN, Minors, *

9 Plaintiffs *

10 v. *

DISTRICT 11 141ST JUDICIAL

12 HARRIS METHODIST H-E-B, *

13 JEROME DOUGLAS NOVOTNY, JR., *

14 M.D., ROBERT MORROW WELCH, *

15 M.D., MARK ALAN GODFREY, M.D., *

16 HEALTH PARTNERS MEDICAL *

17 GROUP, P.A. and MID-CITIES *

18 FAMILY PRACTICE ASSOCIATION, P A. *

19 Defendants * TARRANT COUNTY,

TEXAS

20

21

22

23

24

25

26

27

28

29

30

31

32

33

34

35

36

37

38

39

40

41

42

43

44

45

46

47

48

49

50

51

52

53

54

55

56

57

58

59

60

61

62

63

64

65

66

67

68

69

70

71

72

73

74

75

76

77

78

79

80

81

82

83

84

85

86

87

88

89

90

91

92

93

94

95

96

97

98

99

100

101

102

103

104

105

106

107

108

109

110

111

112

113

114

115

116

117

118

119

120

121

122

123

124

125

126

127

128

129

130

131

132

133

134

135

136

137

138

139

140

141

142

143

144

145

146

147

148

149

150

151

152

153

154

155

156

157

158

159

160

161

162

163

164

165

166

167

168

169

170

171

172

173

174

175

176

177

178

179

180

181

182

183

184

185

186

187

188

189

190

191

192

193

194

195

196

197

198

199

200

201

202

203

204

205

206

207

208

209

210

211

212

213

214

215

216

217

218

219

220

221

222

223

224

225

226

227

228

229

230

231

232

233

234

235

236

237

238

239

240

241

242

243

244

245

246

247

248

249

250

251

252

253

254

255

256

257

258

259

260

261

262

263

264

265

266

267

268

269

270

271

272

273

274

275

276

277

278

279

280

281

282

283

284

285

286

287

288

289

290

291

292

293

294

295

296

297

298

299

300

301

302

303

304

305

306

307

308

309

310

311

312

313

314

315

316

317

318

319

320

321

322

323

324

325

326

327

328

329

330

331

332

333

334

335

336

337

338

339

340

341

342

343

344

345

346

347

348

349

350

351

352

353

354

355

356

357

358

359

360

361

362

363

364

365

366

367

368

369

370

371

372

373

374

375

376

377

378

379

380

381

382

383

384

385

386

387

388

389

390

391

392

393

394

395

396

397

398

399

400

401

402

403

404

405

406

407

408

409

410

411

412

413

414

415

416

417

418

419

420

421

422

423

424

425

426

427

428

429

430

431

432

433

434

435

436

437

438

439

440

441

442

443

444

445

446

447

448

449

450

451

452

453

454

455

456

457

458

459

460

461

462

463

464

465

466

467

468

469

470

471

472

473

474

475

476

477

478

479

480

481

482

483

484

485

486

487

488

489

490

491

492

493

494

495

496

497

498

499

500

501

502

503

504

505

506

507

508

509

510

511

512

513

514

515

516

517

518

519

520

521

522

523

524

525

526

527

528

529

530

531

532

533

534

535

536

537

538

539

540

541

542

543

544

545

546

547

548

549

550

551

552

553

554

555

556

557

558

559

560

561

562

563

564

565

566

567

568

569

570

571

572

573

574

575

576

577

578

579

580

581

582

583

584

585

586

587

588

589

590

591

592

593

594

595

596

597

598

599

600

601

602

603

604

605

606

607

608

609

610

611

612

613

614

615

616

617

618

619

620

621

622

623

624

625

626

627

628

629

630

631

632

633

634

635

636

637

638

639

640

641

642

643

644

645

646

647

648

649

650

651

652

653

654

655

656

657

658

659

660

661

662

663

664

665

666

667

668

669

670

671

672

673

674

675

676

677

678

679

680

681

682

683

684

685

686

687

688

689

690

691

692

693

694

695

696

697

698

699

700

701

702

703

704

705

706

707

708

709

710

711

712

713

714

715

716

717

718

719

720

721

722

723

724

725

726

727

728

729

730

731

732

733

734

735

736

737

738

739

740

741

742

743

744

745

746

747

748

749

750

751

752

753

754

755

756

757

758

759

760

761

762

763

764

765

766

767

768

769

770

771

772

773

774

775

776

777

778

779

780

781

782

783

784

785

786

787

788

789

790

791

792

793

794

795

796

797

798

799

800

801

802

803

804

805

806

807

808

809

810

811

812

813

814

815

816

817

818

819

820

821

822

823

824

825

826

827

828

829

830

831

832

833

834

835

836

837

838

839

840

841

842

843

844

845

846

847

848

849

850

851

852

853

854

855

856

857

858

859

860

861

862

863

864

865

866

867

868

869

870

871

872

873

874

875

876

877

878

879

880

881

882

883

884

885

886

887

888

889

890

891

892

893

894

895

896

897

898

899

900

901

902

903

904

905

906

907

908

909

910

911

912

913

914

915

916

917

918

919

920

921

922

923

924

925

926

927

928

929

930

931

932

933

934

935

936

937

938

939

940

941

942

943

944

945

946

947

948

949

950

951

952

953

954

955

956

957

958

959

960

961

962

963

964

965

966

967

968

969

970

971

972

973

974

975

976

977

978

979

980

981

982

983

984

985

986

987

988

989

990

991

992

993

994

995

996

997

998

999

1000

1001

1002

1003

1004

1005

1006

1007

1008

1009

1010

1011

1012

1013

1014

1015

1016

1017

1018

1019

1020

1021

1022

1023

1024

1025

1026

1027

1028

1029

1030

1031

1032

1033

1034

1035

1036

1037

1038

1039

1040

1041

1042

1043

1044

1045

1046

1047

1048

1049

1050

1051

1052

1053

1054

1055

1056

1057

1058

1059

1060

1061

1062

1063

1064

1065

1066

1067

1068

1069

1070

1071

1072

1073

1074

1075

1076

1077

1078

1079

1080

1081

1082

1083

1084

1085

1086

1087

1088

1089

1090

1091

1092

1093

1094

1095

1096

1097

1098

1099

1100

1101

1102

1103

1104

1105

1106

1107

1108

1109

1110

1111

1112

1113

1114

1115

1116

1117

1118

1119

1120

1121

1122

1123

1124

1125

1126

1127

1128

1129

1130

1131

1132

1133

1134

1135

1136

1137

1138

1139

1140

1141

1142

1143

1144

1145

1146

1147

1148

1149

1150

1151

1152

1153

1154

1155

1156

1157

1158

1159

1160

1161

1162

1163

1164

1165

1166

1167

1168

1169

1170

1171

1172

1173

1174

1175

1176

1177

1178

1179

1180

1181

1182

1183

1184

1185

1186

1187

1188

1189

1190

1191

1192

1193

1194

1195

1196

1197

1198

1199

1200

1201

1202

1203

1204

1205

1206

1207

1208

1209

1210

1211

1212

1213

1214

1215

1216

1217

1218

1219

1220

1221

1222

1223

1224

1225

1226

1227

1228

1229

1230

1231

1232

1233

1234

1235

1236

1237

1238

1239

1240

1241

1242

1243

1244

1245

1246

1247

1248

1249

1250

1251

1252

1253

1254

1255

1256

1257

1258

1259

1260

1261

1262

1263

1264

1265

1266

1267

1268

1269

1270

1271

1272

1273

1274

1275

1276

1277

1278

1279

1280

1281

1282

1283

1284

1285

1286

1287

1288

1289

1290

1291

1292

1293

1294

1295

1296

1297

1298

1299

1300

1301

1302

1303

1304

1305

1306

1307

1308

1309

1310

1311

1312

1313

1314

1315

1316

1317

1318

1319

1320

1321

1322

1323

1324

1325

1326

1327

1328

1329

1330

1331

1332

1333

1334

1335

1336

1337

1338

1339

1340

1341

1342

1343

1344

1345

1346

1347

1348

1349

1350

1351

1352

1353

1354

1355

1356

1357

1358

1359

1360

1361

1362

1363

1364

1365

1366

1367

1368

1369

1370

1371

1372

1373

1374

1375

1376

1377

1378

1379

1380

1381

1382

1383

1384

1385

1386

1387

1388

1389

1390

1391

1392

1393

1394

1395

1396

1397

1398

1399

1400

1401

1402

1403

1404

1405

1406

1407

1408

1409

1410

1411

1412

1413

1414

1415

1416

1417

1418

1419

1420

1421

1422

1423

1424

1425

1426

1427

1428

1429

1430

1431

1432

1433

1434

1435

1436

1437

1438

1439

1440

1441

1442

1443

1444

1445

1446

1447

1448

1449

1450

1451

1452

1453

1454

1455

1456

1457

1458

1459

1460

1461

1462

1463

1464

1465</

the 22 That this deposition transcript is a true record of
23 testimony given by FRANK J. BAKER 11, M.D., the witness
duly 24 named herein, on March 15, 1999, after said witness was
25 sworn/affirmed by me;

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016

231

preparation 1 That \$_____ is the charge for the
2 of the completed deposition transcript and any copies of
3 exhibits charged to Larry F. Smith, M.D., J.D., Attorney
for 4 the Plaintiffs;

submitted 5 That the original deposition transcript was
6 on the _____ day of _____, 1999, to
7 Larry F. Smith, M.D., J.D., for the witness to examine,
8 sign, and return to Preferred Legal Services, Inc., by
the 9 _____ day of _____, 1999;

to 10 That the deposition transcript _____ was returned
11 Preferred Legal Services, Inc., properly executed by the
12 witness, to the deposition officer, and the attached
13 change/correction sheet contains any changes, and the
14 reasons therefor, made by the witness;

returned 15 That the deposition transcript _____ was not

16 to the deposition officer by the witness;
17 That the original deposition transcript, or a copy
18 thereof, together with copies of all exhibits, was
delivered
19 on _____, 1999, pursuant to
Rule
20 203.3, to Larry F. Smith, M.D., J.D., Attorney for the
21 Plaintiffs;
22 That pursuant to the information made a part of the
23 record at the time said testimony was taken, the
following
24 includes all parties of record:
25

PREFERRED LEGAL SERVICES, INC
(214) 706-9016

232

1 LARRY F. SMITH, M.D., J.D, COUNSEL FOR PLAINTIFFS
Amount of time used: Zero
2
3 JEFFREY W. RYAN, ESQ.
COUNSEL FOR DEFENDANT, MARK ALAN GODFREY, M.D.;
4 HEALTH PARTNERS MEDICAL GROUP, P.A.; and
MID-CITIES FAMILY PRACTICE ASSOCIATION, P.A.
Amount of time used: 48 minutes
5
6 JOEL J. STEED, ESQ.
COUNSEL FOR DEFENDANT, JEROME DOUGLAS NOVOTNY, JR.
Amount of time used: 109 minutes
7
8 LARRY HAYES, ESQ.
COUNSEL FOR DEFENDANT, ROBERT MORROW WELCH, M.D.
Amount of time used: 80 minutes
9
10 MAX E. FREEMAN, 11, ESQ.
COUNSEL FOR DEFENDANT, HARRIS METHODIST H-E-B
Amount of time used: 81 minutes
11
12 That a copy of this certificate was served on all

13 parties shown herein.

14 CERTIFIED TO on this the _____ day of

_____,

15 1999.

16

17

18

JANET ARGO, CSR
Certified Shorthand Reporter
Certification No. 6295
Expires 12/31/2000

21

PREFERRED LEGAL SERVICES, INC.
8300 Douglas Avenue, Suite 800
Dallas, Texas 75225
(214) 706-9016

23

24

25

PREFERRED LEGAL SERVICES, INC.
(214) 706-9016