

1 STATE OF ILLINOIS)
2 COUNTY OF C O O K) SS:

ORIGINAL

3 IN THE CIRCUIT COURT OF BROWARD COUNTY,
4 17TH JUDICIAL CIRCUIT
BROWARD COUNTY, FLORIDA

5 BRYAN CORT, et al.,)
6 Plaintiffs,)
7 -vs-) No. 94 - 9230 (18)
8 BROWARD COUNTY SHERIFF'S)
9 DEPARTMENT, et al.,)
10 Defendants.)

11
12 The evidence deposition of FRANK J.
13 BAKER, 11, M.D., taken before Elizabeth R.
14 Mala-Skwarek, Certified Shorthand Reporter and
15 Notary Public, pursuant to the provisions of the
16 Rules of Civil Procedure of the State of Florida
17 and the Rules of the Supreme Court at 6155 North
18 River Road, Rosemont, Illinois, commencing at
19 the hour of 9:10 o'clock on the 15th day of
20 August, A.D. 1997.

21
22
23
24

I N D E XWITNESSEXAMINATION

FRANK J. BAKER, II, M.D.

By Mr. Lucas (Direct)	05
By Mr. Jolly (Cross)	53
By Mr. Lucas (Redirect)	126
By Mr. Jolly (Recross)	136
By Mr. Lucas (Redirect)	136

E X H I B I T SNUMBERMARKED FOR ID

BAKER Deposition Exhibit

Plaintiff's Exhibit A	16
Plaintiff's Exhibit B	37
Plaintiff's Exhibit C	37
Plaintiff's Exhibit D	53

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24

1 WHEREUPON:

2 FRANK J. BAKER, 11, M.D..

3 called as a witness herein, having been first
4 duly sworn, was examined upon oral
5 interrogatories and testified **as** follows:

6 THE VIDEOGRAPHER: This is Kathleen
7 Dominiak of Legal Video Services, Incorporated,
8 3180 North Lake Shore Drive, Chicago, Illinois.

9 I am the operator of this
10 camera. This videotaped deposition of
11 Dr. Frank Baker, III, (sic) is being taken
12 pursuant to Florida Rules of Civil Procedure in
13 6155 North River Road, Rosemont, Illinois, on
14 August 16, 1997, in 9:10 AM as indicated on the
15 video screen.

16 The case is captioned Cort,
17 et al., versus Broward County Sheriff's
18 Department, et al., Case No. 94 - 09230 (18).

19 Would the court reporter please
20 identify herself and swear in the witness?

21 THE COURT REPORTER: My name is Lisa
22 Mala-Skwarek.

23

24

1 (Witness duly sworn.)

2 WHEREUPON:

3 FRANK J. BAKER, II, M.D.,

4 called as a witness herein, having been first
5 duly sworn, was examined upon oral
6 interrogatories and testified as follows:

7 THE VIDEOGRAPHER: Counselor, would
8 you please identify yourself for the video
9 record?

10 MR. LUCAS: My name is Paul Lucas.
11 I represent the plaintiffs, and I will be taking
12 this deposition for purposes of trial today.

13 MR. JOLLY: I'm Bruce Jolly, and I
14 represent the defendant sheriff.

15 THE VIDEOGRAPHER: Thank you. Please
16 proceed, sir.

17 D I R E C T E X A M I N A T I O N

18 BY MR. LUCAS:

19 Q. Doctor, for the record, would you
20 please state your full name?

21 A. Dr. Frank Baker.

22 Q. And what is your business address?

23 A. My business address is 3249 South
24 Oak Park Avenue in Berwyn, Illinois, which is

1 MacNeal Hospital.

2 Q. What do you do in that hospital?

3 A. I am an emergency physician.

4 Q. How long have you been an emergency
5 physician?

6 A. I have been an emergency physician
7 since 1974.

8 Q. Doctor, could you please tell us
9 exactly what an emergency physician is?

10 A. Sure. An emergency physician is an
11 individual who practices emergency medicine.

12 Emergency medicine is the
13 specialty that involves seeing patients in the
14 emergency department and it really encompasses
15 seeing or taking care of the initial diagnosis,
16 treatment, and management of all patients who
17 present to emergency departments.

18 Q. Now, Doctor, would you be considered
19 in the primary or first line of medical care to
20 those who are requiring your services?

21 A. Yes, sir.

22 Q. Do you then refer patients who need
23 additional care or secondary care to other
24 physicians?

1 A. Yes.

2 Emergency physicians generally
3 **will** do one **of** two things. They will either
4 primarily provide care to the patient **and**
5 discharge the patient to be followed up by
6 another physician, or they will provide initial
7 management and subsequently admit the patient to
8 another physician in the hospital, usually a
9 specialist of one sort or another to provide
10 definitive care for the patient.

11 Q. Is it fair to say that one of the
12 tasks that you have -- or dual tasks initially
13 is to diagnose and stabilize patients when they
14 come to you?

15 A. Yes, ^fsir.

16 Actually, the very first thing
17 that **we** do in emergency medicine is to define
18 whether or not there is a life threat.

19 My job is to define whether there
20 is a life threat and stabilize the life threat
21 sometimes even before making a definitive
22 diagnosis in order that we can keep the patient
23 **alive** long enough for **us to make a** diagnosis and
24 to obtain the services of other specialists to

1 provide definitive care for the patient.

2 Q. This case is going to involve what
3 might be considered an orthopedic or
4 neurological injury that **was** suffered by
5 Brian Cort.

6 Are neurological -- I mean
7 neurosurgical physicians and orthopedic
8 physicians/surgeons, are these generally
9 considered first line physicians or primary care
10 physicians?

11 A. No, sir.

12 Orthopedic surgeons and
13 neurosurgeons are **not** first line or primary care
14 physicians. It is very unusual for them to see
15 the type of injury that Mr. Cort had initially.

16 Usually the patient is seen by
17 another type of physician, usually an emergency
18 physician, who makes the initial diagnosis and
19 then requests the consultation of a specialist
20 such as an orthopedic surgeon or neurosurgeon.

21 Q. Now, in a previous deposition *you*
22 indicated that you **did** not perform orthopedic **or**
23 neurological surgery in your present occupation;
24 is that correct?

1 A. Yes, sir. That is true.

2 It is my job to keep the patient
3 alive long enough for the orthopedic surgeon or
4 neurosurgeon to take the patient to the
5 operating room or to the intensive-care unit to
6 provide the definitive care.

7 Q. Notwithstanding that fact, are you
8 required still to have the same basic knowledge
9 of anatomy, physiology, the knowledge of the
10 muscles, bones, the vessels than an orthopedic
11 or a neurological surgeon would?

12 A. Well, we have fundamentally the same
13 background and training in anatomy. Obviously
14 because they operate in the area, their
15 knowledge of the anatomy is considerably more
16 detailed than that of the emergency physician.

17 What we need to know is just
18 enough to be able to make the diagnosis in order
19 to obtain the services of the specialist.

20 Q. Does your background include hands-on
21 experience with a patient?

22 A. Oh, sure.

23 I have been taking care of
24 patients actively in the emergency department

1 since 1974. Actually, part of that was since
2 finishing school in 1971.

3 Q. Can you estimate in all how many
4 patients you have seen with neurological,
5 neurosurgical orthopedic injuries?

6 A. Not with any significant accuracy.

7 I have seen dozens of patients
8 with fractured cervical vertebrae, that is,
9 broken necks.

10 I have seen thousands of patients
11 with orthopedic injuries because that's a very
12 common presentation to the emergency department.

13 Q. Doctor, I notice that in your
14 background that you have been board certified in
15 a certain number of areas. And before I go into
16 your college education, I would like to discuss
17 that first.

18 Explain to the jury, if you will,
19 what board certification means?

20 A. Board certification means that your
21 colleagues have recognized that you have some
22 special expertise in the area in which you are
23 board certified.

24 Board certification, generally

1 speaking, requires that you meet some criteria
2 for sitting and taking an examination and that
3 you subsequently take and pass that
4 examination.

5 Some of these examinations are
6 written, some of them are oral, and some of them
7 are both, and occasionally the examinations are
8 are waived if you have preeminence in a field.

9 Q. I notice that one of the first boards
10 which you had taken and passed apparently was
11 internal medicine.

12 Could you explain what that is?

13 A. Internal medicine really is the
14 specialty that covers all the diseases that are
15 fundamentally nonsurgical. They involve adults
16 rather than children and they do not involve
17 obstetrics.

18 Q. Another area was the emergency
19 medicine, and I think you have explained that,
20 but you also have indicated that you are a
21 member of the Board of Forensic Examiners and
22 Forensic Medicine.

23 Can you explain what those are?

24 A. Sure.

1 I'm a diplomat of the American
2 Board of Forensic Medicine. Forensic medicine
3 is the science of the sort of interplay between
4 medicine and law.

5 It involves areas such as one
6 frequently sees in the emergency department
7 where a crime has been committed and it is our
8 job as physicians to be able to identify the
9 medical aspect of the particular crime.

10 The American Board of Forensic
11 Examiners is a much larger group of people. It
12 consists of individuals who have specialty
13 interests in a nonmedical area who also deal
14 with the legal system such as forensic
15 accountants and auditors, accident
16 reconstruction specialists, people who deal with
17 causes of accidents, and other issues that
18 interplay with the legal system.

19 Q. Prior to passing these boards, I
20 assume that you had to obtain a college and
21 medical education. If you would explain what
22 your educational background is?

23 A. Yes, sir.

24 In order to go to medical school

1 you have to have either three or four years of
2 college. I have a Bachelor of Arts degree 'in
3 chemistry from Elmhurst College in Elmhurst,
4 Illinois.

5 I subsequently went to Loyola
6 Stritch School of Medicine which is in Chicago.
7 I graduated in 1971.

8 Subsequent to that, I did three
9 years of specialty training in internal medicine
10 at the University of Chicago. I completed that
11 in 1974, after which time I joined the faculty
12 at the University of Chicago with a
13 co-appointment in the newly formed Department of
14 Emergency Medicine with a co-appointment in
15 internal medicine.

16 Q. Doctor, I also notice that you
17 obtained a Master's degree. Can you explain
18 what that was in?

19 A. Yes, sir.

20 While I was on the faculty of the
21 University of Chicago in 1977, I became the
22 department chairman. As a department chairman,
23 I was responsible for a multimillion dollar
24 budget and at that point decided that I needed

1 to get some business training.

2 **So I obtained** a Master's in
3 Business Administration, an **MBA**, from the
4 University of Chicago Graduate School of
5 Business.

6 Q. It is my understanding that while *you*
7 were **at** the University **of** Chicago on the faculty
8 you were an assistant professor and then an
9 associate and then the chairman of the
10 department?

11 **A. And** then a full professor as well.

12 When I had left the university
13 after being the chairman of the department for
14 ten years, I had **been** promoted to a **full**
15 professor in both the Department of Emergency
16 Medicine and the Department of Internal
17 Medicine.

18 Q. Notwithstanding the fact that you were
19 an academician in least to the extent that you
20 were teaching students at the University
21 particularly in medicine, you continued to --
22 your practice with hands-on care to emergency
23 room patients?

24 **A. Oh, sure. A** part of being a clinical

1 faculty, that is, a physician in a medical
2 school includes the fact that you will continue
3 to give patient care.

4 Most of the teaching is not done
5 in the classroom. That's a very small part of
6 the teaching of medical students in their third
7 and fourth year as well as resident staff.

8 Most of that teaching is done at
9 the bedside involving real patients.

10 Q. Now, I am going to ask you to review
11 in just a moment the CV which you provided to us
12 to see whether it is current, but if I am
13 correct this CV indicates you taught a number of
14 different courses involving some aspect of
15 emergency room medicine, about 15 courses; is
16 that correct?

17 A. Yes, sir.

18 Q. And involving cardiac life support
19 courses, an additional 29 courses?

20 A. Yes.

21 Q. And panel discussions with other
22 physicians approximately 15?

23 A. Yes.

24 Q. I understand you have also coauthored

1 an emergency medicine textbook?

2 A. I am a coauthor, a coeditor of an
3 emergency medicine textbook, yes.

4 Q. In least two volumes of that?

5 A. Two editions.

6 Q. Two editions?

7 A. Of that textbook one released in 1983
8 and another one was released in 1987.

9 Q. **And** journal articles, if I read
10 correctly, approximately 20 of those in areas
11 involving emergency room medicine?

12 A. Yes, sir.

13 Q. And lectures and scientific
14 presentations, something like 139?

15 A. Yes.

16 Q. I want to ask you if you could look
17 this over and see if this is an accurate copy of
18 your CV. **And if it is, we will mark it as**
19 **Exhibit A** for the plaintiff to this deposition.

20 A. Yes, that's an accurate copy that was
21 last revised in January of this year.

22 (Said document was marked for
23 identification as Plaintiff's
24 Exhibit Number A.)

1 BY MR. LUCAS:

2 Q. Doctor, our firm employed you in this
3 case involving Brian Cort versus the Sheriff of
4 Broward County, did we not?

5 A. Yes.

6 Q. And could you please explain to the
7 jury essentially what we asked you to do when we
8 did employ you?

9 A. Well, you asked me to review the
10 circumstances that occurred between the 11th of
11 March, 1993, and **roughly** the 13th of that month,
12 a period of about **48** hours, during which time
13 Brian Cort was incarcerated in Florida.

14 I was asked to look at the case
15 specifically vis-a-vis an explanation of the
16 circumstances of how it was that Mr. Cort walked.
17 into a correctional facility under his own
18 control and subsequently became paraplegic and
19 eventually a quadriplegic when he was discharged
20 on the 13th of March some two days later.

21 Q. Did we ask you to evaluate the
22 probable cause of what caused the broken neck,
23 particularly in the C5 level of the neck?

24 A. Yes.

1 MR. JOLLY: Let me just object,
2 **leading.**

3 BY MR. LUCAS:

4 Q. Did **we** ask you to discuss any effect
5 that a delay in treatment from the original
6 injury until his release from the hospital might
7 have had upon Brian Cort, injury and recovery?

8 MR. JOLLY: Same objection.

9 THE WITNESS: Yes.

10 BY MR. LUCAS:

11 Q. Doctor' before I **ask** you the next
12 question, I'm going to give you some
13 hypothetical facts and I'm doing that, and I'll
14 explain that to opposing Counsel, because we're
15 not sure exactly when this deposition will be
16 shown in the course of **a trial.**

17 And to give some background from
18 our viewpoint, I want you to assume the
19 following **facts** to be true.

20 Assume for the purpose of the
21 following questions these facts to be true: On
22 March 10, 1993, Brian Cort was arrested for a
23 misdemeanor and placed in the custody of the
24 Broward County jail late that evening.

1 He was in good physical condition
2 and he was ambulatory, although asked and
3 requested that he be held over for a
4 psychological evaluation in a special cell of
5 the jail.

6 He was instead placed in the
7 general population. He remained in the general
8 population and in good health for approximately
9 17 hours.

10 In about 5:00 PM on March 12 --
11 March 11, 1993, he was transferred to the
12 stockade, a separate holding facility of the
13 Sheriff of Broward County and he arrived in good
14 physical condition. He was walking, **talking**,
15 ambulating.

16 In some time between 5:30 PM and
17 7:00 PM after changing into an orange uniform
18 and being asked to strip search and before **being**
19 placed into the general population of the
20 stockade, Brian Cort suffered an injury that
21 **caused** multiple fractures of his cervical
22 vertebra number five.

23 Assume also that no medical
24 attention was given to Brian Cort for this

1 injury until approximately 12:30 PM on March 12,
2 1993, some 12 to 18 hours later.

3 Assume that during that time Cort
4 was not stabilized in any way, that he was moved
5 by stretcher or wheelchair by deputies on
6 several occasions moving the neck, shoulders,
7 all four extremities, and the spinal column over
8 a period of 12 to 15 hours.

9 Assume that he was not then taken
10 to a hospital for yet another 12 hours. Finally
11 assume that when finally operated upon by a
12 neurosurgeon, a well-developed epidermal
13 hematoma was found in the spinal column, that
14 subluxation had occurred on the C4, C5, and C6
15 levels of the spinal column, that bone fragments
16 from the C5 vertebra and the epidermal hematoma
17 were compressing the spinal cord.

18 Assume there was no compression
19 of the bone indicating a compressive fracture
20 but there was retropulsed fragmentation of the
21 bone impeding upon or compressing the spinal
22 cord.

23 Now, later we may have give
24 additional facts or additional questions, but

1 assume those facts to be true.

2 With that in mind, could you'
3 please give the Court your opinions and the jury
4 the opinions that you have formulated.

5 MR. JOLLY: Let me make my objection.
6 Number 1, the predicate. Number 2, it is a --
7 the hypothetical which you are being asked to
8 provide is based upon an incomplete and
9 inaccurate factual scenario. Number 3,
10 qualifications. Please continue.

11 THE WITNESS: Well, there is some
12 differing testimony regarding the circumstances
13 during part of the period preceding the
14 recognition of the injury.

15 There is no question but that a
16 review of the records shows that Mr. Cort was,
17 indeed, ambulatory and was capable of walking
18 when he entered the facility.

19 And there is also no disagreement
20 that subsequently when he arrived eventually in
21 a hospital he had a fracture of one of the
22 vertebral bodies, that is, the bones in **his**
23 neck, the spine, which basically had been
24 pulverized and was in many fragments.

1 The question comes **up** as to how
2 these injuries occurred, and there is some
3 disagreeing testimony about how and when they
4 could have **occurred**.

5 Mr. Cort has said that he doesn't
6 remember anything, in least in his deposition,
7 anything after he was taken out of the general
8 population for the purposes of taking him to a
9 special cell for a strip search.

10 His mother states that when she
11 first talked --

12 MR. JOLLY: Let me **object** to anything
13 that the mother has said. Please continue. **It**
14 is hearsay.

15 THE WITNESS: His mother --

16 MR. JOLLY: I understand. Re is
17 going to go forward. I made my objection.

18 MR. LUCAS: Okay.

19 THE WITNESS: His mother has stated in
20 her deposition that when she first talked to **her**
21 son that he related that he had been kicked and
22 beaten some time during that period when he was
23 supposed to be strip searched.

24 The deputies at the correctional

1 facility have testified that he was walking and
2 walked into a van sometime around 5:00 o'clock
3 on the afternoon of the 11th in that he was in
4 the van by himself, that there was some
5 commotion in the back of the van related to his
6 apparently striking himself against the side of
7 **the** van allegedly with his **shoulder**, or in least
8 that's what was reported, and that the van was
9 subsequently turned around actually without
10 leaving the stockade or correctional facility.

11 And when the van was opened up,
12 he was on the floor, not responding, and had to
13 be physically carried out of the van.

14 **Taking** those things into
15 consideration, the question comes as to exactly
16 when and what kind of an injury he had.

17 After reviewing the testimony of
18 all the individuals involved and reviewing the
19 x-rays, I think it is unlikely, highly unlikely,
20 that he had a vertical compression fracture from
21 axial loading of his cervical spine, that is,
22 his spinal column in his neck that could have
23 **resulted** simply from his butting his head
24 against the back of the inside of the van.

1 The inside of the van is
2 constructed in a way in which there is a chain
3 linked fence type of material backed up by
4 Plexiglas.

5 And had he broken his own neck by
6 butting his head on the inside of the van, one
7 would have expected to see damage in the way of
8 lacerations and blood under the skin called a
9 hematoma on the head, and no such injuries were
10 ever described.

11 The other two mechanisms of
12 injury that are in least potentially the cause
13 of his eventual broken vertebral body would be a
14 **blow** from the front of the neck or a blow from
15 the back.

16 A blow from the front of the neck
17 to cause the substantial injury to his -- the
18 bones in his neck would **require** that the soft
19 tissues of the neck, including the trachea,
20 would sustain some damage.

21 There **was** never any damage
22 observed, and that particular **area** of **the** neck
23 **was**, indeed, seen **by several** physicians,
24 including the emergency physician, Dr. Isaacson.

1 who first saw him on the 13th and the
2 neurosurgeon who eventually **did** the neurosurgery
3 from the front of the neck also done on the
4 13th, Dr. Gelbard.

5 The other possible mechanism of
6 injury would be from the back, again, both being
7 done with a blunt object -- I'm sorry, the **back**
8 of the neck.

9 Such an injury would **cause**
10 bruising to the back of the neck but could
11 easily fracture the vertebrae involved because
12 there are only muscle and skin separating a
13 blunt object from the vertebral **body** and one
14 wouldn't have to do any lethal damage to other
15 structures such as the trachea or windpipe.

16 That area was never examined **by**
17 a physician until sometime around when the
18 definitive surgery was done in that area of the
19 neck which was the 18th, some one week later.

20 And Mr. Cort is an Afro-American,
21 and it is possible that it would be difficult to
22 see bleeding under the skin, the usual black and
23 blue mark in an individual who is, indeed,
24 . black.

1 Taking all of the evidence as it
2 presents itself, and I am talking about the
3 medical evidence, into existence, I think it is
4 most probable that this injury resulted from a
5 direct blow to the back of the neck.

6 I'm unable on the basis of the
7 evidence that I have been presented to tell you
8 exactly when that occurred or how it occurred,
9 but I think this is highly unlikely to have been
10 a head butting vertical compression injury.

11 The other specific issue that I
12 was asked to look in was whether or not any
13 delay in receiving definitive medical care
14 caused or contributed to his current injuries.

15 There is considerable evidence
16 presented by many deputies that after the
17 initial injury that was reported in the van that
18 he was unable to move his legs, but, indeed, was
19 able to move his arms.

20 And, in fact, Nurse Hinson who
21 did an examination of him at the time reported
22 that he was able to move his **arms** when she tried
23 to shine a light in his eyes.

24 Subsequently, he was transferred

1 to a psychiatric holding cell where he wasn't
2 reexamined by a physician or other medical
3 personnel for another 18 hours, during which
4 time he basically laid on the floor, begged for
5 water, begged for food, requested that he be
6 taken to an infirmary and was ignored.

7 During that period of time, he
8 clearly got worse. His injury progressed from
9 being a simple paraplegia involving just
10 paralysis of his legs to the point when he was
11 finally taken to the hospital he was noted to
12 have paralysis of not only his lower legs but
13 most of his upper extremities as well with
14 possibly the exception of the ability to move
15 some fingers or maybe his wrist.

16 That 18-hour delay in his
17 receiving definitive care was, I think, a major
18 part in producing his current clinical condition
19 in which he is now quadriplegic and he is unable
20 to move his arms or legs.

21 BY MR. LUCAS:

22 Q. Doctor, during your recitation, you
23 mentioned once or twice and you referred to a
24 couple of the items on which you relied such as

1 x-rays and reports.

2 This might be a very good time to
3 ask you exactly what it, was what things did you
4 review in arriving in your opinions?

5 A. Well, I reviewed the depositions of
6 Brian and his mother and his wife. I have seen
7 the depositions of many of the deputies. I
8 have, in particular, seen the depositions of
9 deputies who were responsible for driving the
10 van that was to take him from the stockade back
11 to the main jail.

12 I seen the depositions of some of
13 the deputies who carried him out of the van. I
14 have seen the depositions of deputies who
15 brought him subsequently in a police car from
16 the stockade eventually back to the main jail.

17 I've seen the depositions of
18 deputies who subsequently carried him into a
19 wheelchair and discharged him from the jail
20 facility by literally placing him on the ground
21 outside and eventually placing him in his
22 mother's car, a period of some about 24 to
23 36 hours during in which time he was unable to
24 walk.

1 I have seen the prison health
2 records. I have seen the records of the
3 investigations that were done by the Sheriff's
4 Department.

5 I have seen the emergency
6 department records from when he was first taken
7 to an emergency department on the 13th by his
8 mother after release from the detention
9 facility.

10 I have seen the depositions of
11 Dr. Isaacson, the emergency physician, during
12 that first visit, of Dr. Schultz who eventually
13 provided the general surgical care for him, of
14 Dr. Gelbard, who was the neurosurgeon, and of
15 another physician who is a specialist in
16 rehabilitation who has subsequently been
17 involved in his care.

18 Q. Now, Doctor, earlier you mentioned
19 that in your opinion the more probable cause of
20 the injury was a blunt blow to the back of the
21 neck or a blow with a blunt instrument.

22 What do you mean by a blunt
23 instrument? What could that include?

24 MR. JOLLY: Objection, predicate. Go

1 ahead.

2 THE WITNESS: What we are really
3 talking about is that we differentiate sharp
4 piercing objects from blunt trauma, that is,
5 penetrating versus blunt trauma.

6 Blunt trauma can be caused by any
7 blunt object anywhere from a pipe to a fist to a
8 baseball bat to a **black** jack. I mean, there are
9 all sorts of things that can cause damage to the
10 neck, but we are talking about instruments that
11 are not going to break the skin or penetrate the
12 skin.

13 BY MR. LUCAS:

14 Q. Would that include any type of -- **the**
15 use of the hands by somebody trained in martial
16 arts?

17 A. Oh, sure.

18 Q. Would that include a baton or
19 something wooden?

20 A. It could.

21 Q. Doctor, before we go further, I want
22 to say up to this point the medical opinions
23 which you have offered have been stated within a
24 reasonable degree of medical probability, that

1 being the standard that is used in the State of
2 Florida?

3 MR. JOLLY: Objection, form, leading.
4 Go ahead.

5 THE WITNESS: Yes.

6 BY MR. LUCAS:

7 Q. You mentioned that Brian Cort has been
8 seen by Sue Hinson.

9 In the record, was there any
10 indication in all that when Sue Hinson, the
11 nurse, saw Brian Cort that she was ever informed
12 by anybody that he could not walk?

13 A. There is no indication in the prison
14 records that she knew he couldn't walk, and she
15 denies in her deposition that she wasn't told he
16 couldn't walk.

17 Q. Is there any indication of what she
18 was told concerning his injury?

19 A. Yes.

20 She says specifically, both in
21 prison records and her deposition, that she was
22 told he had hit his shoulder against the inside
23 of the van.

24 Q. Was there an indication of how this

2 chart that there were no signs in all whatsoever
3 of any injury.

4 Q. Doctor, with the understanding that we
5 are dealing with probabilities, reasonable
6 medical probabilities, not certainties according
7 to Florida standard, if they're more probable
8 than not that had medical care been given to
9 Brian Cort in an early stage that it would have
10 improved his chances of surviving a paraplegic,
11 perhaps even not suffering it permanently, and,
12 secondarily, not suffering quadriplegia?

13 MR. JOLLY: Objection. An opinion is
14 being sought based upon an incomplete and
15 inaccurate hypothetical in addition to that
16 predicate and in addition to those
17 qualifications.

18 Please continue, Doctor.

19 BY MR. LUCAS:

20 Q. And let me -- at this point we re
21 asking here a medical opinion based on your.
22 medical knowledge of research concerning cases
23 of this kind and rapid recovery versus slow
24 recovery including but not limited to

1 Brian Cort.

2 MR. JOLLY: Same objection.

3 Go ahead, Doctor.

4 THE WITNESS: There are two things
5 that I think are clear. One is that the
6 permanent damage that results from an injury to
7 the spinal cord **is** partly a result of the **direct**
8 blow but also partly a result of what occurs on
9 a cellular level associated **with** swelling and
10 edema subsequent to the injury.

11 For that reason, **we** treat such
12 patients with a drug called Methylprednisolone,
13 which we know in 20 percent of the cases will
14 substantially improve the patient's outcome.

15 In this case, there is an another
16 factor, and, that is, that subsequently when
17 Dr. Gelbard performed surgery on the spinal cord
18 he found an old blood clot.

19 The old blood clot was
20 compressing the spinal cord and had **that** blood
21 clot been evacuated early or, indeed, had the
22 patient been treated in such a manner that that
23 bleeding did not occur, more likely than not he
24 would not have suffered the extensive injuries

1 that he currently suffered.

2 There was clearly a period of
3 time when he was only paraplegic and
4 subsequently got worse and became quadriplegic.
5 Early intervention may well have prevented the
6 development of the quadriplegia.

7 BY MR. LUCAS:

8 Q. In cases in which broken necks, broken
9 vertebra, are not stabilized, are not diagnosed,
10 are not treated in an early stage, what is the
11 probability of their causing long-term permanent
12 damage?

13 MR. JOLLY: Objection. Form,
14 leading -- it is not leading.

15 Objection, it is asking for an
16 opinion based upon an incomplete and inaccurate
17 hypothetical. It is vague, qualifications.

18 Please answer, Doctor.

19 THE WITNESS: Very high actually.

20 In this particular type of an
21 injury in which we have an unstable fracture,
22 that is, we not only have a broken bone but we
23 have a broken bone with many pieces that can
24 move around and in moving around can cause

1 compression of the spinal cord which runs down
2 the middle of the vertebral column.

3 It is the subsequent movement of
4 this that can cause just as much damage as the
5 initial injury.

6 This is a patient who has been done
7 in his spine, a vertebra, that was broken into
8 so many pieces that it could freely move about.

9 In a patient such as this in
10 order to prevent additional injury, what we do
11 is to immobilize their neck, and we do so by
12 putting them on a backboard and taping their
13 head down and using various kinds of devices to
14 make sure that they can't move their head to the
15 side, they can't flex it, and they can't extend
16 it.

17 This did not occur in his case
18 until he finally was taken by his mother after
19 discharge to the hospital.

20 There are many descriptions in
21 the prison records concerning how he was moved
22 from one place to another. He was lifted by his
23 arms and legs, presumably with no support or
24 certainly not adequate support for his neck and

1 head.

2 So it is clear with this type of
3 an unstable fracture additional injury most
4 certainly occurred as a result of subsequent
5 movement without protection of his neck.

6 BY MR. LUCAS:

7 Q. Doctor, I am going to ask you what we
8 will mark as Plaintiff's B and C, which are two
9 Broward County Sheriff's office standing
10 operating procedures, 7.12 and 7.123.

11 While you look in those, I am
12 going to also ask you to assume the following to
13 be --

14 MR. JOLLY: What exhibits are those?

15 MR. LUCAS: Plaintiff's B and C.

16 MR. JOLLY: The CV was not an
17 exhibit?

18 MR. LUCAS: That was A.

19 MR. JOLLY: Got you. I thought there
20 was one more in between.

21 MR. LUCAS: Not yet.

22 (Said document was marked for
23 identification as Plaintiff's
24 Exhibit Numbers B and C.)

1 BY MR. LUCAS:

2 Q. I'll go ahead and read this while you
3 look those over.

4 Now, Doctor, assume that the
5 Sheriff of Broward County, based on his
6 published procedures, number 7.12 and 7.123 has
7 established policy, quote, "To provide proper
8 care and protection to inmates who are mentally,
9 physically, or developmentally disabled by
10 direct communication between detention personnel
11 and medical personnel when an inmate is
12 suspected as having a physical disability and to
13 determine a course of action for treatment or
14 the handling of the inmate by referring the
15 disabled to an appropriate community service
16 agency or facility, when necessary, by health
17 appraisal procedures by monitoring as to their
18 adaptation to the correctional environment."

19 In this assumed environment, I'm
20 going to ask you several questions concerning
21 the deputies.

22 First, did Deputy Young meet even
23 a minimal standard of care when she, according
24 to her testimony, saw Brian Cort enter the van

1 on his own two feet under his own volition, and,
2 again, by her own testimony, saw him being
3 carried out by two deputies into a holding cell
4 next **to the** loading area of the stockade?

5 MR. JOLLY: Objection, predicate.
6 Objection, it **is** an incomplete -- it presupposes
7 an incomplete and inaccurate hypothetical.

8 Number 3, the standard has not
9 been asserted from which you wish him to make
10 the -- from which you are asking him to make **the**
11 evaluation, and, therefore, **there** is an improper
12 predicate. Go ahead.

13 BY MR. LUCAS:

14 Q. Before you go on, let **me ask one** other
15 question.

16 I take it, if I remember
17 correctly, you said that you read the deposition
18 of Deputy Young?

19 A. Yes.

20 Q. And you have also read the records
21 from Prison Health Services, Inc., pertaining to
22 the medical care of this particular individual?

23 A. Yes.

24 Q. And you have also read the depositions

1 of other deputies who surrounded Brian Cort
2 during the time he **was** going through **this**
3 ordeal?

4 A. Yes.

5 Q. Now, I have **also** given you the
6 standards that have been set down in policy by
7 the Sheriff, have I not?

8 A. Yes.

9 Q. Then in order to clarify the question,
10 I would like you to answer that question as to
11 does it meet the standards that are set down by
12 the policy; two, does it meet the standards of
13 any common sense humane approach to one human
14 being to another; and, finally, does it meet any
15 minimal type of medical standard that even a
16 layman would have to adopt?

17 MR. JOLLY: Same objections that I
18 made before, plus, relevancy. Please continue.

19 THE WITNESS: Well, first, the policy
20 which is entitled, Disabled Inmate Care, 7.12,
21 requires that she communicate this presumed
22 injury or the change in his status from being
23 ambulatory to not being ambulatory to
24 appropriate personnel.

1 That appropriate personnel in
2 this particular case would be Nurse Hinson 'that
3 claims she was only told about a shoulder injury
4 and was never told he could not walk.

5 . Secondly, she should have, just
6 using common sense, realized that this was a
7 presumed injury that, indeed, he walked into the
8 van and now he is not only no longer walking but
9 is lying on the floor of the van and not
10 responding.

11 She should have made the
12 presumption that that was an acute medical
13 problem, that it was, indeed, a traumatic
14 injury, and should have appropriately tried to
15 obtain medical care.

16 What happened is that instead of
17 his getting immediate medical care and having
18 paramedics or other trained personnel remove him
19 from the van, a group of deputies literally
20 carried him out of the van by hands and feet,
21 and that was an inappropriate way for them to
22 proceed.

23 They, as well, should have
24 realized that Mr. Cort was injured and that this

1 was not an appropriate way of removing a patient
2 from a circumstance such as this.

3 I mean, these people are trained
4 in accidents. They know about the approach to
5 auto accidents. They know about stabilizing
6 people. They know about calling the paramedics
7 in. They are people who are trained to deal
8 with accidents, presumably both inside the
9 prison as well as outside the prison.

10 And, clearly, this did not
11 conform with any training that law enforcement
12 agencies give to their people regarding
13 traumatic situations.

14 **BY MR. LUCAS:**

15 Q. Doctor, did the two deputies who
16 carried Brian Cort to the automobile on the
17 second trip he was making to the county jail,
18 transporting him to the city jail, finding and
19 locating a wheelchair because he could not walk,
20 reporting that none of his extremities were
21 working including his arms, and then refusing or
22 in least not communicating with any medical
23 personnel when they arrived, violate those same
24 standards that we just discussed with

1 Deputy Young and other deputies?

2 MR. JOLLY: I'll assert the same
3 objections that I made before as to the prior
4 hypothetical seeking the prior opinions in terms
5 of predicate, the factual background for the
6 opinions, for the asking of the opinions, as
7 well as the relevancy.

8 THE WITNESS: Yes, for the same reason
9 that these individuals are trained in public
10 safety.

11 And while they may not be
12 paramedics, they are certainly trained in
13 knowing the circumstance under which they should
14 call paramedics to provide care.

15 They are not even ambulance
16 drivers, but confronted with an individual such
17 as this they certainly should know that this
18 patient needs not only acute medical attention
19 but they certainly aren't qualified to
20 physically move the patient from one place to
21 another because of the possibility of causing
22 them additional damage.

23 BY MR. LUCAS:

24 Q. Doctor, remembering also that you have

1 read the deposition testimony of these deputies
2 and medical records and Prison Health Service
3 records, did the Sheriff's deputies in **ward** cell
4 2-C, that is, the psychological ward at **the** main
5 jail, Broward County Jail, act reasonably with
6 any standard of behavior as we have previously
7 discussed when they refused Brian Cort food and
8 water on request, when they refused his request
9 for movement to the infirmary for over 12 hours,
10 when they required him to cross the floor to get
11 water if **he** needed it, and by ignoring his
12 reports that his legs would not move and he **was**
13 not able to move his lower extremities and his
14 arms were becoming numb?

15 MR. JOLLY: Objection. It is not --
16 relevancy, predicate, qualifications, and the
37 opinion is based upon an incomplete and
18 inaccurate hypothetical.

19 And the basis for the opinion,
20 the standard **which** is to **be** applied, has not
21 been established.

22 With that, have at it.

23 BY MR. LUCAS:

24 Q. We have already discussed most of

1 **those**, but I just want *you* to at any time,
2 Doctor, if you find that these questions are
3 contrary to the information or facts that you
4 have read from the records that you have read,
5 by all means correct me and make any changes
6 that are necessary.

7 A. The facts, as you have just outlined
8 them, I think are not contrary to any
9 understanding that I have from reading any of
10
11 matter.

12 It is -- it is certainly not
13 acceptable for human beings in general to ignore
14 the cries and pleas of other human beings for
15 food and water.

16 In this particular circumstance,
17 though, we are not talking about just people
18 without training. We are talking about public
19 safety officers who know about these kinds of
20 things. I mean, they have been taught the
21 circumstances under which they need to call in
22 medical personnel.

23 These are the people who are the
24 first responders to accident sites of all sorts,

1 and those sorts of accidents can range from auto
2 accidents to fires to earthquakes to floods.

3 And, you know, these are the
4 people that we depend upon to respond. And even
5 though they are in the detention facility,
6 that's their full-time job.

7 Now, these are people who are
8 basically public safety officers. They have
9 more than the **usual** citizens' obligation to
10 respond when a citizen is in trouble. That's
11 what they have chosen to do in life, and they
12 have, you know, totally ignored that in terms of
13 their treatment of Mr. Cort during those periods
14 of time when he was begging for food, water, and
15 medical care in terms of being taken to the
16 infirmary.

17 Not only did they not meet the
18 standards that are set **up** for them, **but I find**
19 that their behavior was particularly egregious.

20 MR. JOLLY: Objection, not
21 responsive. Move to strike.

22 BY MR. LUCAS:

23 Q. Just to cover one thing, why would you
24 say that the behavior is egregious, and what do

1 **you mean by that?**

2 MR. JOLLY: Objection, **relevancy**.

3 Objection, that's an opinion which is far beyond
4 the scope of his **capability**. Please **continue**.

5 MR. LUCAS: I disagree with that. We
6 **will** deal with that later.

7 MR. JOLLY: I know, Paul.

8 MR. LUCAS: I realize that.

9 THE WITNESS: **Well**, what I mean by
10 that is that I think it **is** particularly cruel.
11 I think it certainly is not only behavior that I
12 don't expect from public safety people, I don't
13 expect this from the average citizen walking out
14 on the street.

15 I mean if you saw this kind of
16 individual sitting down in the lobby of the
17 hotel, I **would** not expect any person to walk by
18 them and simply ignore their cries for help.

19 But when you are **talking** about
20 people who are trained as public **safety** officers
21 to respond to **public** needs, this becomes a
22 particularly cruel act.

23 BY MR. LUCAS:

24 Q. That will **be** on all that particular

1 item, Doctor.

2 THE VIDEOGRAPHER: Off the record in
3 10.04

4 (Whereupon a short
5 break was had.

6 THE VIDEOGRAPHER: Back on the record
7 at 10:05. Please proceed.

8 BY MR. LUCAS:

9 O. Doctor, I have two additional
10 questions that relate to different parts of your
11 testimony.

12 First, we have discussed the harm
13 that delayed medical care caused Brian in terms
14 of his original injury and his development of
15 quadriplegia.

16 However, if at any time during
17 those first few hours of injury, the first hour,
18 the second hour, the third hour, or the sixth
19 hour, or the seventh hour he had been given
20 proper care and been brought to proper medical
21 attention, could that have made an improvement
22 in his condition within a reasonable degree of
23 medical probability?

24 MR. JOLLY: Objection, predicate.

1 Objection, qualifications. Objection, it is
2 asking for an opinion or calling for **an** opinion
3 based upon an incomplete and inaccurate
4 **hypothetical**. Please.

5 **THE WITNESS: Yes.**

6 **BY MR. LUCAS:**

7 Q. The second question is I wonder if you
8 can just briefly describe or show to the jury by
9 a drawing or any way that you wish exactly what
10 type of injury occurred in that C5 level when
11 the original fracture occurred as you can either
12 see from the x-rays or just by explanation?

13 Let me explain what I mean by
14 this. You have already stated that there **was**
15 fragmentation, that there were several pieces of
16 bone. You have indicated that the direction,
17 most likely, was from a blow **from behind**.

18 But could you explain that so
19 that the jury can really explain what happened
20 to that vertebra when it was first broken?

21 MR. JOLLY: Same objection,
22 qualifications and predicate, but please
23 continue.

24 **THE WITNESS:** We are not going to be

1 able to look at the x-ray on the video. So as
2 best I can do, maybe we can make a sort of 'crude
3 drawing and I am not an artist.

4 Let me draw something out here
5 and we will see if we can kind of represent what
6 is on the x-ray.

7 What we are talking about on the
8 x-ray is -- on x-rays that are numbered C-002
9 through C-005, and I am drawing as best I can,
10 realizing that I'm a terrible artist, what this
11 x-ray looks like.

12 That's what a vertebral body in
13 this case looks like, that is, that's what this
14 particular fractured C-5 vertebrae looks like on
15 **x-ray.**

16 This part down here is called the
17 vertebral body and the rest of this is called
18 the neural arch, and the vertebral body
19 ordinarily should be perfectly round and not
20 have this v-shape in it.

21 **The** v-shape on the x-ray
22 represents a fracture. There is another
23 fracture on the x-ray which basically goes in
24 this direction down here.

1 So that's a fracture of the
2 vertebral body, and, actually, there are other
3 pieces in place as well that is consistent with
4 several different kinds of mechanisms

5 The neural arch is this part
6 And where these two pieces do not meet
7 there, there two pieces should be lined up And
8 I have drawn it where you can see me drawing
9 this wavy line where there is a fracture on both
10 sides of the neural arch.

11 The fact that this bone is
12 fractured and this bone is fractured here makes
13 this an unstable fracture what means that
14 movement of the head or neck is going to cause
15 these things to move around in a way in which
16 they injure the spinal cord.

17 Now, let's see, maybe you can
18 give me that yellow marker and I will sort of
19 show you where the spinal cord is

20 The spinal cord -- Miss, can you
21 see the yellow on there? Okay

22 The body of this -- this is the
23 front of the body and this is the back of your
24 neck This is the front of your neck this big

1 bone is called the vertebral **body**, and the
2 neural arch **is** behind it toward the back of your
3 neck.

4 And in between those **two** things
5 is a cavity, and that cavity is the spinal canal
and inside the spinal canal runs the **yellow**
7 spinal cord.

8 And what happens is that when you
9 bre'ak these bones, these bones then impinge **or**
10 touch directly bruising the spinal cord.

11 What also happened in this case
12 on -- let me have one of your black pens there
13 and we will draw in where there was additional
14 bleeding which occurred.

15 There is bleeding in this area of
16 the spinal cord or the spinal canal which **not** --
17 which in addition to the damage caused to the
18 spinal cord by these bones caused additional
19 damage to *the* spinal cord, the yellow, by
20 compressing, by putting pressure on it.

21 So that's what you can see from
22 the CT scan that was done on the 13th in the
23 first hospital, Florida Medical Center, where he
24 **was taken by his** mother.

1 BY MR. LUCAS:

2 Q. Thank you very much.

3 Doctor, we will mark this drawing
4 as Plaintiff's D, and we will conclude this
5 portion for the examination at this time.

6 MR. LUCAS: Let's go off while they
7 are marked.

8 THE VIDEOGRAPHER: Off the record in
9 10:12.

10 (Whereupon a short
11 break was had.)

12 (Said document was marked for
13 identification as Plaintiff's
14 Exhibit Number D.)

15 THE VIDEOGRAPHER: Back on the record
16 in 10:14. Please proceed.

17 C R O S S - E X A M I N A T I O N

18 BY MR. JOLLY:

19 Q. Dr. Baker, I'm Bruce Jolly. We have
20 met before, obviously.

21 In this case before I ask you any
22 further questions, would you refer to the x-rays
23 that you have reviewed, would you refer to the
24 CT scan and identify them so that we can be

1 reasonably confident later that the ones to
2 which you -- the ones upon which you have relied
3 to this point will be the ones that the jury
4 will eventually see.

5 They are not being marked. They
6 are not going to be attached, but I want you to
7 have some -- there to be some reference point?

8 A. Yes, sir.

9 Of the films of the neck, that
10 is, the lateral C-spine x-rays, there are two
11 such films, and the only one that's readable is
12 the one that has hand markings on it that say
13 number **27**, cross table lateral. So that
14 differentiates those two films.

15 The CT of the scan -- the CT scan
16 of the neck that I'm talking about in the top
17 right hand corner has a series of numbers right
18 underneath Brian Cort's name.

19 The first number is 23429 which
20 appears to identify the patient, because it is
21 present on all of the slices, but then each
22 slice is identified by a separate number right
23 next to it.

24 This particular film, the first

1 two images are marked in **this** corner 00 -- they
2 are marked **C-002**, C-003, and **C-004**, and there **is**
3 one more image that has -- on a different film
4 has C-005.

5 Q. How many x-rays have you reviewed?

6 A. There are only two x-rays here and
7 there is one CT scan consisting of six films.

8 Q. **Six?**

9 A. **Yes.**

10 Q. Have you reviewed each of the
11 **CT scans?**

12 A. You mean of these films?

13 Q. The six separate films.

14 A. Yes.

15 Q. Are you aware of whether there are any
16 other x-rays which are in existence which you
17 haven't reviewed?

18 A. I believe there is an MRI that was
19 taken in some point in time including a
20 myelogram, CT myelogram, that was taken in
21 North Broward, which is where his neurosurgery
22 was performed after transfer from the Florida
23 Medical Center, and it is my understanding that
24 those x-rays and MRIs are lost.

1 Q. The CT **scans** that y'ou have reviewed,
2 the films one through **six**, am I right on that?
3 Do they show different things?

4 A. Oh, sure. They show different
5 vertebral bodies. I mean, some of them are
6 normal and some of them aren't.

7 Q. So the CT scans which show
8 abnormalities are contained in CT scans two,
9 three, and four?

10 A. Two, three, four, and five.

11 There may be some other
12 abnormalities on some of the other scans. I was
13 just looking primarily for the major injuries.

14 Q. And when the CT scans are numbered
15 two, three, four, and five, it represents a scan
16 of the vertebrae in that level --

17 A. No.

18 Q. -- two, three, four?

19 A. No.

20 There is another film that
21 references where the slices were taken, and
22 there is a single picture which will show all of
23 the slices that were taken, and each of those
24 slices is marked by a white line and a number

1 that identifies it.

2 Q. Are there any CT scans of which you
3 are aware that are in existence which you have
4 not referred?

5 A. Well, there was a CT myelogram done in
6 North Broward.

7 Q. Other than that?

8 A. No.

9 Q. Now, with respect to your
10 qualifications, are you familiar with the
11 organization known as the National Spine
12 Society?

13 A. Actually, I don't remember **one way or**
14 the other. There are a whole bunch of
15 neurosurgical societies, some of which I know
16 and some of which I don't, but I am not a member
17 of any of them, actually.

18 Q. Are you familiar with that particular
19 organization?

20 A. I actually don't recall whether I
21 heard of them or not.

22 Q. Whether *you* are familiar or not, you
23 are not a member?

24 A. That's true.

1 Q. Are you familiar with the American
2 Association of Neurosurgeon?

3 A. Yes.

4 Q. Are **you a** member?

5 A. No.

6 Q. What is that?

7 A. That is a society that -- whose
a membership is limited to neurosurgeons.

9 Q. Are you familiar with the organization
10 known as the Congress of Neurosurgeons?

11 A. I think I've heard of them, **yes**.

12 Q. Are you a member?

13 A. Yes.

14 Q. What is it?

15 A. Again, it is a society of
16 neurosurgeons.

17 Q. Are you familiar with the organization
18 known as American Academy of Orthopedic
19 Surgeons?

20 MR. LUCAS: Before you answer, let me
21 move to strike this line of questioning for
22 relevancy and materiality.

23 THE WITNESS: *Yes*.

24

1 BY MR. LUCAS.

22 Q. Are you a member?

33 A. No.

44 Q. What is it?

55 A. A group of orthopedic surgeons.

66 MR. LUCAS: Same objection as before.

77 BY MR. JOLLY:

83 Q. And with respect to the American
99 Association of Neurosurgeon, do you know how one
100 becomes a member?

111 MR. LUCAS: Same objection and motion.

122 BY MR. JOLLY:

133 Q. The requirements?

144 A. No, other than you clearly have to be
155 a neurosurgeon and you probably have to be board
165 certified as well.

177 Q. The same question with regard to the
188 Congress of Neurosurgeon.

199 A. No.

200 Q. How does one become a member?

211 MR. LUCAS: Let me just raise a
222 standing objection, if I may.

233 MR. JOLLY: Sure.

244

1 BY MR. JOLLY:

2 Q. The same question with regard to the
3 American Academy of Orthopedic Surgeons, how
4 does one become a member?

5 A. Well, you have to be trained as an
6 orthopedic surgeon. I don't know whether you
7 have to be board certified or not and then you
8 apply and **pay** your dues.

9 Q. You are not trained as a neurosurgeon?

10 A. No.

11 Q. You are not trained as an orthopedic
12 surgeon?

13 A. **No.**

14 Q. Have you heard of the organization
15 American Academy of Physical Medicine **and**
16 Rehabilitation?

17 A. Yes.

18 Q. What is it?

19 A. Physical medicine and rehabilitation
20 is a specialty of medicine that deals
21 specifically with the rehabilitation of
22 individuals who mostly have limitations of their
23 physical abilities as a result of trauma.

24 Q. Are you a member?

1 A. No.

2 Q. Do you know how one becomes a member?

3 A. Well, that particular group *you* have
4 to have -- been trained, that is, complete a
5 residency in physical medicine and
6 rehabilitation.

7 I do not know whether you have to
8 be board certified to be a member.

9 Q. Can you generalize the special courses
10 or the special training that a neurosurgeon
11 would receive separate and apart from the
12 training that you have received in your
13 specialty as an emergency room physician?

14 A. Well, sure.

15 Emergency physicians take
16 basically four years of postgraduate training
17 after medical school. That involves the initial
18 diagnosis and treatment involving everything
19 from fractures to heart attacks.

20 Neurosurgery is a specialty that.
21 deals solely with the diagnosis and definitive
22 care of neurological diseases that are amenable
23 to surgical therapy and those involve the brain
24 and the spinal cord. That's a seven-year

1 residency program after medical school.

2 Q. And would you agree that the courses
3 in least in that seven year additional --
4 required in that additional seven years are for
5 the most part directed to treatment, diagnosis,
6 surgery, for the spine and for the spinal
7 column?

8 MR. LUCAS: Objection, form.

9 THE WITNESS: And the brain.

10 BY MR. JOLLY:

11 Q. And the brain.

12 A. Yes, absolutely.

13 I mean, that's specifically what
14 a neurosurgical residency is all about. It is
15 how to do surgery on the brain and spinal cord
16 and I include the vertebral column as part of
17 that.

18 Q. Before reviewing the materials which
19 relate to this litigation, have you ever treated
20 an injury comparable to what you have testified
21 and observed?

22 A. Well, I have treated spinal cord
23 injuries, but you have to understand that my
24 practice stops at the ER door.

1 Q. I understand that.

2 A. So that when the patient goes to the
3 operating room or to the ICU, my care stops
4 completely. I mean, I have nothing to do with.
5 that.

6 I have treated patients who are
7 paraplegic and quadriplegic acutely as a result
8 of trauma. I don't remember specifically ever
9 treating a patient with this exact sort of
10 CT scan or x-ray.

11 Q. Have you ever participated in surgery
12 on a spinal injury comparable to Mr. Cort's?

13 A. Not since medical school.

14 Q. How many spinal surgeries have you
15 participated in since medical school?

16 A. None. I basically don't go to the
17 operating room.

18 Emergency physicians stay in the
19 emergency department to take care of the next
20 patient while the neurosurgeons and others take
21 their patients upstairs.

22 Q. If I'm understanding your direct
23 correctly, the questions that were asked by
24 Mr. Lucas, you are an emergency room physician?

1 A. Yes.

2 Q. You -- patients come to you at the
3 emergency room?

4 A. Yes.

5 Q. To the extent possible, you obtain a
6 history from them?

7 A. Yes.

8 Q. Of what occurred in this particular
9 instance?

10 A. Yes.

11 Q. As well as perhaps their general
12 physical situation before the injury?

13 A. That's true.

14 Q. You want to know as much about them as
15 possible when you are doing the diagnosis which
16 you are doing?

17 A. Yes.

18 Q. You obviously look in their physical
19 situation?

20 A. Yes.

21 Q. What is going on?

22 A. Correct.

23 Q. How do they look?

24 A. Yes.

1 Q. What things can I see, for example, to
2 the naked eye, **first** of all?

3 A. Yes.

4 Q. And you **balance** what you see, what you
5 observe with the clinical findings as rendered
6 from diagnostic **testing, correct?**

7 A. Yes.

8 You mean such as x-rays and
9 laboratory tests?

10 Q. Sure.

11 A. Sure.

12 Q. And then based upon that, **your job as**
13 an ER physician **is** to st bilize?

14 A. That's correct.

15 My job **is** to stabilize **the**
16 patient, to find the life threat, **keep** the
17 patient alive, and then determine which
18 specialists will be needed to definitively treat
19 the patient.

20 Q. With regard to claims of paralys **s** or
21 quadriparesis which seem to stem from an injury
22 to the spinal column, you are going to contact
23 and refer out to a neurosurgeon?

24 A. Oh, sure.

1 Q. Before this litigation, have you ever
2 been retained as an expert for the purpose of
3 rendering an opinion as to the cause of a spinal
4 injury, the cause?

5 A. Yes, sir.

6 I mean, I have reviewed cases of
7 spinal injury. But whether anybody has
8 specifically **asked** me about the cause, I can't
9 remember actually.

10 Q. Do you have a recollection of ever
11 previously being retained and actually rendering
12 an opinion that a spinal injury was related to a
13 trauma as distinct from an axial load?

14 A. I don't think you quite mean that
15 because an axial load, of course, can be from
16 trauma. I mean, most of these axial loads --

17 Q. My fault. I meant trauma to the back
18 of the neck, trauma to the front of the neck as
19 opposed to an axial load.

20 Have you ever before rendered an
21 opinion distinguishing those as the basis for
22 injury?

23 A. No.

24 Q. In this case, you know, you have been

1 hired and your fees accrue in what **rate**?

2 A. I charge \$450 an hour to review
3 materials.

4 Q. And to this point the amount of time
5 expended in the review is what?

6 A. I don't know exactly, but probably an
7 the order of 20 hours.

8 Q. Have you ever previously been retained
9 to testify as to the standard of care imposed on
10 providing health care to detainees in a
11 detention setting?

12 A. I have reviewed a couple of **cases**
13 involving health care of people in correctional
14 facilities, yes.

15 Q. Have you ever rendered an opinion in
16 court, been permitted to testify as to the
17 standard of care required in a detention setting
18 as it relates to medical care?

19 A. Well, I think I have given depositions
20 in those matters. I mean, I can't name them. I
21 can't cite them for you, time and place. I
22 don't think I have ever testified live in court.

23 Q. Have you done any research sufficient
24 to be able to determine what the standard of

1 care is as it relates to medical care to be
2 rendered in detention facilities in Florida?

3 MR. LUCAS: Let me object to the point
4 that this case does not involve the standard of
5 medical care by the medical practitioners at
6 Prison Health Services, Inc., or **their** employees
7 to the extent that no care in all was provided
8 by the Sheriff's deputies, and that does become
9 an issue in the case.

10 MR. JOLLY: Well, you **know**, I'm going
11 to ask that be stricken from the record and we
12 will deal with that later.

13 MR. LUCAS: You are constantly trying
14 to interject an issue that does not belong here.

15 MR. JOLLY: Let me --

16 MR. LUCAS: And settled in another
17 case.

18 MR. JOLLY: Okay.

19 BY MR. JOLLY:

20 Q. Let me **ask you** this, have you done any
21 research to determine the **standard** of care owed
22 in a detention facility as it relates to medical
23 care? And I don't care whether it is provided
24 by detention staff or medical staff.

1 I want to know if you know what
2 they are supposed to do as it is **imposed** on them
3 by law?

4 **A.** Only as defined by their policies and
5 procedures. I have not read the laws regarding
6 these matters.

7 **Q.** Do you know the relationship between
8 the health care provider, the actual physicians
9 group, the medical provider, and detention staff
10 as it relates to who does **what**, whose
11 obligations are whose as it relates to medical
12 care?

13 **MR. LUCAS:** In this case?

14 **THE WITNESS:** I think so, sure.

15 **BY MR. JOLLY:**

16 **Q.** **Where** did you **see** where it is spelled.
17 out who does what?

18 **A.** I don't think that there are any
19 specifics regarding that. I mean --

20 **Q.** **That's** why I **am asking** what *you* have
21 seen.

22 **A.** Nothing in particular.

23 **Q.** Have **you** reviewed the contract as
24 between the health care provider and the

1 defendant in this case, the Sheriff, as **it**
2 relates to who does what as it relates to .
3 providing medical care?

4 MR. LUCAS: Objection to relevancy and
5 materiality.

6 THE WITNESS: No.

7 MR. LUCAS: Move to strike.

8 BY MR. JOLLY:

9 Q. Have you reviewed the specific
10 training provided to any of the detention staff
11 to which you have kind of referred as you have
12 testified?

13 A. No, I have not seen their training
14 manuals.

15 Q. Do you know what specific training was
16 provided to them?

17 And, Doctor, I ask you that
18 question because you kept talking about training
19 and professionals, talking about training the
20 police.

21 I'm asking you do you know what
22 training **was** provided to this group of detention
23 staff?

24 A. No, not specifically.

1 Q. Do you **know what** specific instructions
2 that they were given as it relates to how they
3 are to handle inmates that are injured,
4 complaining of injury -- that are either injured
5 or complaining of injury?

6 A. No. I have not seen the specifics of
7 their instructions.

8 Q. Do you know the parameters of what
9 they can do by virtue of their training, by
10 virtue of their instructions as it relates to an
11 inmate who claims injury or who is injured?

12 A. I had not seen the documents outlining
13 those parameters.

14 Q. You indicated that you have reviewed
15 Nurse Hinson's deposition?

16 A. Yes.

17 Q. Do you recall that Nurse Hinson noted.
18 that Mr. Cort was extremely flaccid?

19 A. Yes.

20 Q. Is it your recollection that when she
21 first saw him on his return to the North
22 Broward -- to the stockade, that he was, in
23 fact, lying down?

24 A. Yes.

3 Q. That he was lying on his back?

2 A. Yes.

3 Q. That **she tested to** determine whether
4 he was responsive to verbal or tactile stimuli?

5 A. Yes.

6 Q. That, **in fact**, in response to that **his**
7 eyelids fluttered?

8 A. Yes.

9 Q. That his pupils were **pinpoint**
10 bilaterally?

11 A. Yes.

12 Q. That his blood pressure was 130 over
13 70 with a pulse of 80?

14 A. Actually, I don't recall the exact
15 vital signs.

16 What page are you reading from?

17 Q. 16, lines four through 16.

18 A. Correct.

19 Q. Do you recall that 'she -- and that was
20 about 7:30 PM, correct?

21 A. Yes.

22 Q. Can you tell me as we **sit here now** how
23 much time passed from the time of the incident
24 in the van until Nurse Hinson first saw

1 Mr. Cort?

2 A. Not precisely.

3 We think that the incident
4 occurred about 5:00 or 5:30, and she is
5 recording this exam in 7:30 but that doesn't
6 necessarily mean that the **exam** occurred in
7 7:30. It could be well that the documentation
8 occurred in 7:30, which is, I suspect, what
9 actually happened.

10 Q. So you cannot tell me -- and that was
11 what **my** question was -- how **much** time **had**
12 actually passed between the time of the incident
13 in the van and her observation of **him**?

14 A. That's true.

15 Q. First observation?

16 A. Yes, that's true.

17 Q. Do you recall that it was after she
18 first saw him noted in 7:30 that **she** saw him
19 again in 7:35?

20 A. Yes.

21 Q. Roughly five minutes later?

22 A. Right.

23 Q. And at that time he was aroused to
24 tactile **stimuli**? 19, 16 through 21.

1 A. Yes.

2 Q. That he opened his eyes and then 'he
3 was muttering incoherently?

4 A. Yes.

5 Q. That he was lifting his arms to
6 protect his face?

7 A. Yes.

8 Q. That his respirations were unlabored?

9 A. Yes.

10 Q. And by this time she had already
11 called, I think, a nurse Dudley, had she not?

12 A. Yes.

13 Q. And after this second view, by this
14 time she called Dr. Diz Pi?

15 A. Yes.

16 Q. Now, you understand that Dr. Diz Pi is
17 the psychiatric provider?

18 A. Yes.

19 MR. LUCAS: Let me object to this
20 point in that that portion of her testimony is
21 hearsay which is refutable by Dr. Diz Pi.

22 MR. JOLLY: I'm going to ask that that
23 be stricken.

24

1 BY MR. JOLLY:

2 Q. Did you read that Nurse Hinson has
3 testified that she called Dr. Diz Pi?

4 A. Yes.

5 Q. Do you recognize from the materials
6 before you that Dr. Diz Pi was the psychiatric
7 doctor that was on call?

8 A. Yes.

9 Q. You clearly understand, you do not,
10 that Nurse Hinson -- and whether you agree with
11 her or you don't -- she makes it very clear and
12 you understand her to make it very clear that
13 she believed rightly or wrongly that Cort **was**
14 suffering from a psychiatric condition?

15 A. That's true.

16 Q. There was no sign of physical injury
17 that you are able to tell anyone observed?

18 A. That's true.

19 Q. And as you reviewed all of these
20 materials, there really was no indication of
21 physical injury anyway?

22 A. That's true.

23 Q. I think you have indicated previously
24 on deposition if somehow this had resulted in

1 Brian Cort's death the medical examiner would
2 have had a tough time indicating that there was
3 any cause.

4 MR. LUCAS: Objection.

5 BY MR. JOLLY:

6 Q. Is that a fair statement?

7 MR. LUCAS: It is a distortion of
8 prior testimony.

9 BY MR. JOLLY:

10 Q. If I'm wrong, Doctor --

11 A. You know, what I said is the medical
12 examiner on first view would have a tough time.
13 In other words, what I meant was by externally
14 examining the body apparently there weren't any
15 injuries.

16 Q. Did you note that Nurse Hinson saw
17 Cort again in 9:55 PM?

18 A. Yes.

19 Q. That he was lying quietly?

20 A. Yes.

21 Q. Did you note that she was unable to
22 determine whether Cort was conscious or
23 unconscious? 28, line 24.

24 A. Yes, I know.

1 She said he was **not** responding.
2 But when asked whether he was conscious or
3 unconscious, she didn't know.

4 Q. She used an ammonia inhalant.

5 A. Right.

6 Q. What is that?

7 A. An ammonia inhalant is an ampule of
8 ammonia that **we** -- smelling **salts** that we break,
9 put in **front** of **the** patient's nose.

10 When they breathe the ammonia
11 vapor in, it is a very **noxious** and irritating
12 substance. And **most** people who are feigning
13 illness will **very** violently either push your
14 hand away or sit up or do **something** to **avoid**
15 **having to further inhale** the ammonia vapor.

16 Q. What do you recall she noted as to his
17 response?

18 A. Minimal.

19 Q. Was there any response?

20 A. Well, let's see, **what** ~~sne~~ said was, "A
21 moan. He may **have** turned his head. He may have
22 moved his fingers a little bit." **That's** her
23 **answer.**

24 Q. What notation, if any, do you recall

1 her making that she ever observed him walk? Let
2 me help you, there is none.

3 A. Right.

4 Q. Do you have any recollection that she
5 ever said she saw him walk?

6 A. No.

7 I actually, specifically, recall
8 that when asked if she saw him walk she said no
9 and she had never been told that he couldn't
10 walk.

11 Q. Do you recall that she observed that
12 he was carried out by his **feet** and shoulders and
13 did not offer resistance?

14 A. Yes.

15 Q. Now, let's go back.

16 When she **first** sees him in 7:30,
17 the symptoms that she describes or the responses
18 to the actions that she took, you know, what
19 does that tell you?

20 A. Not much actually.

21 I mean, fluttering **of** the
22 eyelids, we sometimes see in hysterical
23 patients.

24 Q. Which means -- when you mean

1 hysterical, you mean what?

2 A. I mean somebody who is not physically
3 ill but is feigning their illness.

4 It is one of the reasons why you
5 then proceed to use ammonia on a patient, and it
6 takes -- well, actually, I can't say that I have
7 ever seen anybody not react to ammonia who was
8 feigning their illness.

9 I mean, it is a very irritating
10 substance and people are just not going to
11 breathe it for more than a few breaths before
12 reacting violently to remove it.

13 Q. Have you, in your experience, ever
14 noted a psychiatric patient who exhibits the
15 signs reported, the conditions reported by
16 Nurse Hinson?

17 A. Excuse me, can you repeat that again?

18 (Record read as
19 requested.)

20 MR. JOLLY: Sure, Madam Reporter.

21 (Record read as
22 requested.)

23 THE WITNESS: Yes, with the exception
24 of a minimal response to ammonia.

1 BY MR. JOLLY:

2 Q. Up to that point had you observed
3 short of that response or lack of response, have
4 you, in your **experience**, observed psychiatric
5 patients who are exhibiting the symptoms that
6 she describes?

7 A. Yes.

8 Q. Are you satisfied within a reasonable
9 degree of medical probability that the injury
10 that you have been discussing was not the result
11 of trauma, a blow to the front, the anterior
12 portion to the throat area?

13 A. Yes.

14 Q. Why?

15 A. Well, because there was no **evidence** of
16 any damage to the neck or specifically to the
17 trachea.

18 I mean, in order to get to the
19 vertebrae which was fractured, you need to
20 transmit force all the way from the front of the
21 neck to the vertebral body which is basically
22 about halfway back.

23 And in between those two
24 structures you have several vital structures,

1 **the most** important of **which is** the trachea. you
2 almost -- I mean, I **just** simply cannot imagine
3 doing the amount of damage that he had to the
4 vertebrae, sustaining that amount of damage,
5 without doing truly significant damage to the
6 front of the neck, crushing the windpipe causing
7 hemorrhage, that sort of thing.

8 Q. I think it is on the CT scan, isn't
9 it, that **you** can actually tell that there is no
10 damage to the thoracic area?

11 A. You mean to the trachea.

12 Q. What did I say?

13 A. Thoracic area.

14 Q. Don't ever listen to me. I meant the
15 trachea.

16 A. Yes, I think you are right.

17 Q. I mean, my recollection is literally
18 you can look at the CT scan, see the area, and
19 it **looks** whole --

20 A. That's true.

21 Q. -- the trachea?

22 A. Yes.

23 Q. And if it **was** a blow to the front, you
24 would expect that there be evidence of injury?

1 A. I would expect --

2 Q. To cause this injury?

3 A. Not **only** that, I would expect the
4 patient to be having respiratory injury.

5 Q. And there was no indication of that?

6 A. That's true.

7 Q. There is no evidence of -- **if** I have
8 understood you correctly, looking at the back of
9 this man, Mr. **Cort**, there was no evidence of
10 external **injury** apparent to the back of the
11 neck?

12 MR. LUCAS: I am going to object to
13 this. It is a slight distortion of the
14 testimony that was given.

15 BY MR. JOLLY:

16 Q. I would never distort **what** you have
17 **said**.

18 A. I think the answer --

19 Q. Tell me where I **am** wrong, **if** I'm
20 wrong.

21 A. It is not clear that anybody actually
22 examined the back of the neck. That's the
23 problem.

24 Q. Well, let me ask you, you are an

1 ER physician. **Brian** Cort came in to you when
2 you are on duty, *you* are going to look at the
3 back of **the** neck?

4 A. You can't. He is in a collar that
5 immobilizes the neck.

6 The only thing that **you** can do
7 with that collar is examine the small **area** where
8 the front of the collar is cut out so that I can
9 get to the trachea or the **windpipe** in case I
10 have to do a surgical procedure.

11 Q. Right.

12 A. But other than that, you don't take
13 off the **collar**. You leave the collar on. You
14 don't examine the back of the neck. You don't
15 turn it. **You** don't move **it**, and **you just leave**
16 well enough alone.

17 Q. Nurse Hinson, do you recall **any**
18 indication that she observed him to determine
19 injury, physical **injury**?

20 A. Yes, she did.

21 She, in **fact**, in **particular** says
22 **we sat him up** to look in his back but she
23 thought this was a **shoulder** injury, and nowhere
24 **does** she specifically say I looked in his neck.

What

2 she noted injury to the back of the neck? .

3 A. None.

4 Q. Dr. Metamoros --

5 A. Yes.

6 Q. -- you read his deposition?

7 A. Yes.

8 Q. You have read **his statement**?

9 A. **Yes.**

10 Q. You are aware that he also **examined**
11 Mr. Cort while he was incarcerated.

12 A. **Yes.**

13 Q. Do you recall that he, too, examined
14 **the back** of the neck?

15 A. I don't recall specifically about the
16 neck. I do recall his saying that he **did**
17 examine the patient and found no injuries.

18 Q. All right. Which was my follow-up
19 question, what indication, if any, is **there** from
20 him that he **observed** injury, external injury, to
21 the neck?

22 A. None.

23 Q. **How** hard a -- if I understood you
24 correctly, you are not saying it was a huge blow

1 that -- a catastrophic blow *that* would have been
2 necessary to do this kind of damage if it came
3 from the back? And if I'm wrong, tell me I'm
4 wrong.

5 MR. LUCAS: Before you go on, can you
6 repeat that question again, please?

7 MR. JOLLY: Include the stutters.

8 (Record read as
9 requested.)

10 BY MR. JOLLY:

11 Q. Doctor, the neck is what I meant to
12 say.

13 MR. LUCAS: I do have to object. I
14 don't think that was the testimony given by the
15 doctor.

16 BY MR. JOLLY:

17 Q. Which is why I want you to tell me
18 where I'm wrong.

19 A. I think this would take a significant
20 large blow to the neck. You might even be able:
21 to find a neurosurgeon or orthopedic surgeon to
22 tell you how many pounds.

23 Q. You can't.

24 A. I can't. I don't know.

1 Q. But regardless of the degree or' force
2 necessary, you **are** not **suggesting** that it **was**
3 going to leave an area of a wound which **is**
4 **observable** to a lay person or to **the** observer,
5 naked observation -- observation of **the** naked
6 eye?

7 A. Considering **the** three mechanisms
8 **possible** and that specific question, if this had
9 come from an anterior injury, I **would** have
10 expected virtually anyone, adult, to be **able** to
11 recognize the injury, because I think it really
12 would **have** been devastating.

13 In terms of an axial load from
14 **his** head **butting** into this chain linked fence, I
15 would have **expected** there to **be** some pretty
16 obvious **injuries**, **lacerations**, scalp hematomas,
17 that sort of **thing**.

18 Concerning the **posterior** injury,
19 it is possible since he was an African-American
20 that he could have had some bleeding under the
21 skin caused by a blunt **object** that **would** have
22 been missed by someone not **really** trained to
23 look for that **kind** of injury.

24 Q. He comes **to** you in the ER, you are no

1 going to look at the back of his neck in all?

2 A. Absolutely not, not going to take off
3 that C-collar. I don't care what is going on,
4 we are not going to take off that C-collar
5 because the **only** thing that I need to save this
6 man's life is manage his airway and I can do
7 that with the C-collar on.

8 Q. So you wouldn't expect any ER
9 physician to look at the back of the neck by
10 removal of the collar under the circumstances?

11 A. No.

12 As a matter of fact, that would
13 be neglect if a physician did that in the ER.

14 Q. One of the things I picked up when we
15 were talking before, I think you said that,
16 frankly, the mechanics of the injury are not
17 important to you as an ER physician.

18 A. That's true.

19 Q. The CT scans to which you have
20 referred do not demonstrate or in least exhibit
21 injury to the back of the neck?

22 A. Soft-tissue injury, that's true.

23 Q. You are relying on the end result, the
24 damage to the vertebrae, in making the

1 conclusion that you have made the force came
2 from behind?

3 A. That's true, in the failure to observe
4 the soft-tissue damage in the other two areas,
5 the top of the head or the front of the neck.

6 Q. What is a hematoma?

7 A. Bleeding of the soft tissue.

8 Q. There was a hematoma observed, was
9 there not, by Dr. -- I want to say it is Dr. --
10 the ER physician, Dr. Isaacson?

11 MR. LUCAS: No.

12 BY MR. JOLLY:

13 Q. Dr. Gelbard.

14 A. There is an epidural hematoma, but
15 that's inside the spinal cord.

16 Q. Which is my question.

17 What is an epidural hematoma?

18 A. That means that inside this spinal
19 canal defined by the body and the neural arch
20 that there is a collection of blood between the
21 bone and the yellow which is the spinal cord,
22 but that's all inside the bony cage, so to
23 speak, of the vertebral body.

24 Q. In any of the reports that you have

1 reviewed, did *you* note **any** other hematoma,
2 bruising, to the back of the neck?

3 A. No.

4 Q. Under the circumstances, wouldn't you
5 expect there to be a hematoma present causing --
6 in the area of the blow which resulted in the
7 injury to the vertebrae?

8 A. Well --

9 Q. Somebody should have picked it up.

10 MR. LUCAS: Well --

11 BY MR. JOLLY:

12 Q. Okay. Would *you* have expected it to
13 be there?

14 MR. LUCAS: Before you **answer** --

15 MR. JOLLY: That's the **question**.

16 MR. LUCAS: In this question, let's
17 **say**, I object to the commentary made after the
18 question. **Reask** the question.

19 THE WITNESS: Remember that --

20 BY MR. JOLLY:

21 Q. That's a yes or no, Doctor. I haven't
22 done that to you yet, but I am going to do it to
23 you this time.

24 MR. JOLLY: Madam Reporter, the last

1 question.

2 (Record read as
3 requested.)

4 MR. LUCAS: No, that's not the
5 question. That's what we -- I **move** to strike.
6 That **was** the comment.

7 MR. JOLLY: Let me rephrase it. We'll
8 just -- I will start from scratch because I
9 think some of Paul's objections are appropriate.
10 BY MR. JOLLY:

11 Q. Would you not **as** an ER physician, as a
12 treating physician, expect to observe a
13 hematoma, bruising, in the area of the blow
14 which caused the injury to the trachea -- to the
15 vertebrae that we have been talking about?

16 A. Yes, that's true.

17 Q. No one observed it.

18 A. That's true.

19 Q. What special training, if any, have
20 you received in the biomechanics of spinal cord
21 injuries?

22 A. Only that which most emergency
23 physicians get.

24 Q. There is specialized training provided

1 over and above that provided ER physicians, is
2 there not, on the biomechanics of spinal cord
3 injuries?

4 A. Well, sure. Neurosurgeons and
5 orthopedic surgeons who deal with these injuries
6 have special training.

7 Q. When we are talking **about**
8 biomechanics, **what** are **we** talking about?

9 A. We are talking about the physics of
10 the forces involved.

11 Q. The cause?

12 A. Yes.

13 Q. What specialized training do you have
14 in the biomechanics of cervical injuries?

15 A. Well, you know, there is a lot written
16 about **this**. In"fact, **the** textbook that I
17 coedited even has in the section of spinal cord
18 injury -- I think it is called spinal fractures,
19 but we talk about the mechanism of injury.

20 Q. I'm talking about the training that
21 you have had in the field of bio -- in the study
22 of biomechanics of cervical injuries,
23 specialized training over and above anything
24 that you have received as an ER physician.

1 A. None.

2 Q. And, again, you would expect a
3 neurosurgeon to have had such additional
4 specialized training in the biomechanics, the
5 causal factors, involved in cervical injuries?

6 A. Sure.

7 MR. LUCAS: Objection to form.

8 **THE WITNESS:** As a part of their
9 general training in neurosurgery.

10 **BY MR. JOLLY:**

11 Q. Have you ever taught a course in the
12 study of the biomechanics of spinal cord
13 injuries?

14 A. No.

15 Q. Cervical injuries? Same question.

16 A. No.

17 Q. Have you ever taught a specialized
18 course in the area generally of **spinal** cord
19 injuries?

20 A. Well, no.

21 **As you** know, I have given a
22 lecture that basically is structured by the
23 American College of Surgeons regarding **spinal**
24 cord injuries.

1 It is designed specifically to be
2 given to emergency physicians but certainly **have**
3 not taught neurosurgeons or orthopedic surgeons
4 about the specifics of those things.

5 MR. JOLLY: Can we go off the record
6 for one second?

7 MR. LUCAS: **Sure.**

8 THE VIDEOGRAPHER: Off the record at
9 10:56.

10 (Whereupon a short
11 break **was** had.)

12 THE VIDEOGRAPHER: Back on the record
13 at 11:05. Please proceed.

14 **BY MR. JOLLY:**

15 Q. Dr. **Baker**, if I have understood you
16 correctly, the biomechanics of injury **are** not
17 generally important to you as an ER physician?

18 A. **That's** true.

19 Q. As you have reviewed the materials
20 provided to you from which you have formulated
21 your opinions, **did you** note **whether** the mother
22 was present at the emergency room?

23 A. I think that she **was**. I don't recall
24 specifically, **but** I think she was.

1 As I recall, she and the aunt
2 drove Mr. Cort to the ER after he was
3 discharged.

4 Q. And that she **spoke** to the emergency
5 room physician, Dr. Isaacson?

6 A. I don't recall the details of that.

7 Q. Do you recall that she was in
8 attendance, was present, with Mr. Cort at the
9 North Broward Detention Center?

10 MR. LUCAS: Objection --

11 MR. JOLLY: I meant the North Broward
12 Hospital. That was a -- sorry.

13 THE WITNESS: I actually don't recall.
14 BY MR. JOLLY:

15 Q. Do you **know** whether she had
16 conversation with Dr. Gelbard?

17 A. Dr. Gelbard was the neurosurgeon?

18 Q. Right.

19 A. I don't remember.

20 Q. Doctor, the same question with regard
21 to Dr. Schultz.

22 A. I don't remember the specifics, but,
23 you know, I do know that she was physically
24 present in Florida and he was **hospitalized** for

1 some time. So I suspect there were
2 conversations.

3 Q. In your recall and in your review of
4 the medical records, did you note that the
5 history provided was in least, in part, given by
6 the mother as it -- go ahead.

7 MR. LUCAS: I **was** going to say in
8 which hospital or in which location?

9 MR. JOLLY: Both.

10 MR. LUCAS: Okay. I would have to
11 object to that as a misinterpretation of the
12 medical records if you are referring to both
13 hospitals.

14 THE WITNESS: Actually, I don't
15 recall.

16 BY MR. JOLLY:

17 Q. What indication, if any, do you recall
18 seeing that the mother ever indicated to medical
19 staff, Florida Medical Center or North Broward,
20 the information to which you earlier alluded
21 that he had been beaten by detention staff,
22 Mr. Cort?

22 A. Actually, I don't recall any.

24 Q. She didn't say that, did **she**?

1 MR. LUCAS: Objection.

2 MR. JOLLY: I'll correct it.

3 MR. LUCAS: All right.

4 BY MR. JOLLY:

5 Q. What notation, if any, do you recall
6 seeing that the mother ever indicated to any
7 medical person, physician, nurse, in either
8 Florida Medical Center or the North Broward
9 Hospital that her son had been beaten by
10 detention staff?

11 A. I don't.

12 Q. And you didn't -- as you have reviewed
13 the medical records, Y o u ~~seen~~ no indication
14 of a -- of multiple **blows** to his body, to
15 Mr. **Cort's** body?

16 A. That's true.

17 Q. There is really no indication with the
18 exception of the one **blow** to **which** you have
19 alluded that he sustained a series of blows?

20 A. That's true.

21 Q. Or that he **was** struck with any object
22 on any other part of his body?

23 A. That's true.

24 Q. That he was kicked?

1 A. That's true.

2 Q. That he was punched?

3 A. Correct.

4 Q. There is really nothing to indicate
5 that he was the -- subjected to a beating
6 involving more than one person?

7 A. That's true.

8 Q. You have talked earlier -- you have
9 testified earlier that, I think, you gave four
10 implements or objects which could be the cause
11 here of this blow to which you have alluded
12 which caused the injury to the vertebrae,
13 right?

14 A. Well, there is others. I mean,
15 anything that is blunt can do it.

16 Q. Well, I was struck by the limited ones
17 that you mentioned, a baton.

18 A. True'.

19 Q. Which happened to be uniquely the kind
20 of thing that could be police related or law
21 enforcement?

22 A. Well, sure. I mean you are going to
23 be looking at the kinds of things --

24 Q. A blackjack --

A. -- that are available in a jail.

2 Q. Well, you don't know that batons **are**
3 available in the Broward County Jail?

4 A. That's true.

5 Q. You don't know that blackjacks are
6 available in the Broward County Jail?

7 A. That's true.

8 Q. **Do you** know what training the
9 detention staff at the Broward County Jail
10 receive as it relates to martial arts?

11 A. No.

12 Q. And I think there might have been one
13 or two more and I couldn't write fast enough.

14 What else did you **say**?

15 A. Well, I mentioned -- I think I
16 mentioned a baseball bat.

17 Q. I don't recall that the Broward County
18 Jail routinely issues baseball bats but --

19 A. No, but there was specific reason for
20 mentioning baseball bats because there was **some**
21 question about --

22 Q. **Good** point.

23 A. -- whether a baseball bat had been
24 involved in the original altercation that led to

1 his arrest.

2 Q. So that could be an implement that
3 could cause that kind of damage?

4 A. Sure.

5 Q. Would you agree with me that a -- have
6 you ever seen photographs of the inside of the
7 van?

8 A. I have seen a Xeroxed copy. They are
9 hard for me to look in because they are tiny
10 little, you know, pictures.

11 Q. Are you able to describe for me the
12 van's cargo area configuration?

13 A. Well, I know that it is -- got a
14 divider between the front and back consisting of
15 this chain linked material and Plexiglas.

16 I know that it is divided in half
17 sort of in the long -- in the length of the van
18 sort of, and I think the left half was for women
19 and right half was for men, and I recall there
20 is a sort of bench down either side with a
21 little aisle in between.

22 Q. The bench is made of what as you
23 recall?

24 A. I don't know.

1 Q. You would agree with me, would. *you*
2 not, that it -- the injury that *you* have
3 testified about is consistent with a striking of
4 the back of the neck on the bench?

5 MR. LUCAS: Objection.

6 THE WITNESS: That could be, right.

7 MR. LUCAS: Before you go on, I have
8 to object to the characterization. Go ahead.

9 BY MR. JOLLY:

10 Q. I mean that's the kind of blunt -- the
11 corner would be the kind of blunt instrument to
12 which you have alluded --

13 A. Yes.

14 Q. -- assuming that occurred?

15 A. Yes, that could be.

16 Q. You do not **and** you are not prepared to
17 testify, I don't think, within a reasonable
18 degree of medical probability that this man,
19 Cort, was struck by a person with an object?

20 A. No, that's not my testimony in all.

21 Q. You **don't** have that opinion because
22 you **don't** know?

23 A. That's true.

24 Q. All you know is some blunt impact of

1 some kind **was** caused, in your opinion, **to** the
2 back of the neck?

3 A. That's true.

4 Q. It may have been self-inflicted as far
5 **as** you know?

6 A. That's also true.

7 MR. LUCAS: Objection, without
8 foundation or predicate inasmuch as the man was
9 handcuffed.

10 BY MR. JOLLY:

11 Q. I don't mean that he struck himself,
12 but that he, by his actions, put his body in
13 such a position that he caused himself to fall
14 against to strike the bench?

15 A. Sure.

16 Both yesterday and today we have
17 not talked about --

18 Q. Well, I am backwards.

19 A. -- who may have caused the injury. We
20 were just talking about the possible mechanism
21 of injury.

22 a. What is a burst fracture?

23 A. A burst fracture refers to a vertical
24 compression fracture, that is, from an axial

1 Load. What **we mean by** that **is** a load down,
2 straight down, causing compression along the
3 long **axis** of the vertebral column in which the
4 pressure would be transmitted.

5 It either could be from the top
6 of the head or it could be from the bottom of
7 the feet. If one jumps out, you could have a
8 compression fracture.

9 You get a burst fracture of the
10 lower spine rather than the neck. You get it in
11 the back, the lower back. But a burst
12 fracture --

13 Q. But it is a burst fracture?

14 A. It's a burst fracture.

15 What it means is that the
16 compression is such that when you press down on
17 the bone, the sides of it burst outward.

18 Q. Would you expect a -- would it be
19 consistent that a burst fracture would come from
20 an **axial** load as opposed to trauma, a blow to
21 the front or the back?

22 A. Yes.

23 Q. Was this injury a burst fracture?

24 A. Well, that's not entirely clear.

1 Dr. Gelbard did not exactly say
2 it was a burst fracture. In fact, **he** neve'r used
3 those words. He said it was maybe, sort of, and
4 the actual vertical nature of the one part of
5 the fracture is consistent with a burst
6 fracture.

7 So, you know, that's not entirely
8 clear exactly what the multiple compounds may
9 have **been** in this fracture.

10 Q. Do you interpret **what** you have **seen**
11 irrespective of what others may have said that
12 this **was** a burst fracture?

13 A. Well, what happened to the vertebral
14 body is consistent with a compression fracture.

15 What isn't consistent is the
16 fracture of the neural arch which would be
17 unusual. Not impossible, just unusual.

18 Q. Well, that brought back **the** obvious
19 question for my purposes.

20 Can you exclude within a
21 reasonable degree of medical probability that
22 this fracture is the result of an axial load?

23 MR. LUCAS: I am going to object
24 because that has already been asked and answered

1 in reverse.

2 He has already given his opinion
3 within a reasonable degree of medical
4 probability in opposition to that.

5 MR. JOLLY: I don't know about that.

6 BY MR. JOLLY:

7 Q. But can you exclude it within a
8 reasonable degree of medical probability?

9 A. I don't think you can absolutely
10 exclude it. What I have said is --

11 Q. I don't think you have to.

12 A. Well, what I have said is what I think
13 is most probably or most likely the cause of
14 this.

15 Q. But within a reasonable degree of
16 medical probability, can you sit here in front
17 of this jury and tell them that this injury is
18 not the result of an axial load?

19 MR. LUCAS: Objection. The question
20 has been asked and answered and the standard is
21 reasonable degree of medical probability as to
22 causation.

23 BY MR. JOLLY:

24 Q. Please continue.

1 A. I think you can say that this is --
2 injury is not solely the result of an axial
3 load.

4 Q. Where does that take us?

5 A. Well, where that takes us is that if
6 you are going to propose that there was an axial
7 force applied here to cause the burst fracture,
8 there must have been some other force that was
9 then applied to fracture the neural arch.

10 That could be a hyperflexion. In
11 fact, I think, in fact, there was an
12 interpretation --

13 MR. JOLLY: We are going to break
14 right now just to switch tapes and then we will
15 pick up right where you were.

16 We are off.

17 THE VIDEOGRAPHER: Off the record with
18 the end of tape one of the deposition of
19 Dr. Frank Baker in 11:17.

20 (Whereupon a short
21 break was had.)

22 THE VIDEOGRAPHER: Back on the record
23 with the beginning of tape two of the deposition
24 of Dr. Frank Baker at 11:19. Please proceed.

1 THE WITNESS: As I recall, there was
2 an interpretation of a CT myelogram, I believe,
3 in North Broward where the radiologist opined
4 that he thought this was consistent with a
5 hyperflexion injury.

6 BY MR. JOLLY:

7 Q. You know, something we have never
8 talked about, what if there were if -- I want
9 you to assume inside the van that he struck the
10 top of his head, falling struck the back of **his**
11 head.

12 Would that be -- the **back** of his
13 neck, would that be consistent with the injuries
14 that you have seen --

15 MR. LUCAS: Before you do.

16 BY MR. JOLLY:

17 Q. Within a reasonable **degree of** medical
18 probability?

19 MR. LUCAS: Objection. I think you'd
20 first have to indicate exactly how it is he
21 struck the top of his head, how he fell, and
22 where he fell, and what he struck before that
23 question can be answered with any reasonable
24 degree of probability.

1 BY MR. JOLLY:

2 Q. Okay. But you can still answer that
3 as phrased.

4 A. It is possible that these injuries
5 could have been caused by multiple mechanisms,
6 yes.

7 Q. You cannot exclude within a reasonable
8 degree of medical probability, can you, that
9 there was an axial load involved in this?

10 MR. LUCAS: Asked and answered.

11 THE WITNESS: I think what I said was
12 I think I can exclude that as the sole cause.

13 BY MR. JOLLY:

14 Q. Okay. What is an axial load
15 compression injury?

16 A. I think we have already talked about
17 that. I mean, basically that's the burst
18 fracture that you have made mention of before
19 where you get vertical loading on the spinal --
20 on the vertebral column.

21 Q. Give a classic example.

22 A. Head butting. A classic example is
23 the football injury. It's a head butting of one
24 football player by another.

1 Q. You see, if I have understood you
2 correctly, some compression in this injury'to
3 the vertebrae --

4 A. Yes.

5 Q. -- you do not, correct?

6 MR. LUCAS: I'm going to object to
7 this. This was asked and answered in a previous
8 deposition which the doctor testified that he
9 did not see compression to the fracture, but
10 compression within the spinal column as a result
11 of the injury. Now you are trying to distort
12 that.

13 MR. JOLLY: Oops.

14 THE WITNESS: I think what I
15 previously said yesterday was that I couldn't
16 exclude that there was some compression compound
17 to this fracture.

18 BY MR. JOLLY:

19 Q. Some axial load component?

20 A. Yes.

21 Q. What are the biomechanics -- what are
22 the dynamics that you have concluded occurred
23 with respect to this injury?

24 A. Well, what I have said is based on

1 **what** I **see** on the x-ray and the **lack** of physical.
2 evidence of trauma to the top of the head and
3 the front of the neck reaching the conclusion
4 that the injury must have been from behind from
5 the neck.

6 Q. You have worked backwards?

7 A. No. I have just **taken** the two into
8 conjunction.

9 Q. Can you tell me the dynamics of the
10 accident?

11 You have told me what isn't
12 there, and, therefore, **why** you don't think it is
13 one thing, but what is the causal dynamics of
14 the accident then?

15 A. The causal dynamics would have to be a
16 **blow to** the posterior neck through the spinous
17 process.

18 Q. **And** when that occurred, what happens
19 internal? When you look at the guts of the
20 spine, **when** you look at the guts of the
21 vertebrae, there is the blow to the back.

22 What does it do? How does it
23 affect **the final** condition?

24 A. It splits the vertebrae vertically and

1 breaks the neural arch.

2 Q. Why?

3 A. Because you have a -- fundamentally
4 you have a circle. **And** when you **are** going to --
5 in any circular bone when you break a circle,
6 you break it in more than one place.

7 And you break the circle not by
8 coming down on it this way but by coming in from
9 the side, which explains the multiple fractures
10 around the neural arch.

11 Q. Did you note that the vertebrae was
12 retropulsed backwards?

13 A. That's true.

14 Q. And when I **use** the term backwards,
15 that's probably a mistake, I think I mean going
16 like to the behind of me.

17 A. Yes. That's fine.

18 Q. Posterior?

19 A. Yes.

20 Q. You did note that?

21 A. Sure.

22 Q. But if I recall you -- what you said
23 yesterday, you do not believe that is consistent
24 with an axial loading force?

1 A. That would have to be associated with
2 both vertical compression and some sort of
3 flexion injury.

4 Q. And by flexion, please demonstrate
5 that.

6 A. Flexion (indicating).

7 Q. Extension?

8 A. (indicating) .

9 Q. Backwards?

10 A. Extension, backwards.

11 Q. So you think the retropulsion is the
12 product or the result of a flexion of the neck?

13 A. Yes, but -- no.

14 Q. I mean if I am wrong, tell me. You
15 know this stuff better than I do.

16 A. I think what you are doing is that *you*
17 are trying to explain how the mechanism of
18 injury resulted in what we actually **see** on the
19 x-ray in terms of the positioning, and I don't
20 think that they are necessarily related because
21 of all the movement that subsequently occurred.

22 I mean, let's presume that this
23 injury, regardless of how it occurred, occurred
24 around the time of this van business. Let's

1 **assume** that it occurred in the van.

2 It is an unstable injury and
3 there are another 18 hours that lapses during
4 which time he is picked up by his hands and
5 feet, picked **up** by his feet and his shoulders,
6 he is laid on his back, he is sat up by the
7 nurse, he is rolled on his side.

8 All of these things are going to
9 make all of those bones which are now
10 free-floating fragments move around.

11 So where they moved and how they
12 got there is more a function or in least partly
13 a function of all the movement of his head and
14 neck that occurred between the injury and the
15 **x-ray some** 30 some hours later.

16 So, you know, you cannot look in
17 that x-ray and say as a result of the exact
18 positioning of these fragments where the blow
19 occurred. I just don't **see** that that's
20 possible.

21 Q. The epidermal hematoma which
22 Dr. Gelbard noticed, you do not perceive as
23 being related to the trauma to the blow.

24 That's not the bruise from the

1 blow?

2 A. Oh, it could have been, sure.

3 Q. Do you know one **way or** the other?

4 A. No.

5 Q. You cannot quantify, can you, within a
6 reasonable degree of medical **probability** the
7 amount of benefit that Mr. Cort would have
8 received had intervention been earlier, the kind
9 of intervention that you provide at the ER?

10 A. I think as -- I think the best you can
11 say is this --

12 Q. First say yes or no.

13 MR. LUCAS: If it can only be answered
14 by yes or no.

15 BY MR. JOLLY:

16 Q. Can that be answered yes or no with an
17 explanation?

18 A. There is no -- this is no precise
19 numerical figure that somebody can give you in
20 terms of **what** he predictably was going to end up
21 with.

22 Q. You could not predict with medical
23 **certainty** the outcome **of** his neurologic
24 functioning and intervention in an emergency

1 room comparable to the services you provide and
2 provided earlier?

3 MR. LUCAS: Don't answer that yet.
4 Please repeat the question slowly.

5 (Record read as
6 requested.)

7 MR. LUCAS: Okay. I've gone far
8 enough.

9 I am going to object, first of
10 all, to the attempt to insert a standard of
11 medical certainty which doesn't exist in the
12 State of Florida.

13 MR. JOLLY: I said reasonable.

14 MR. LUCAS: And reasonable certainty
15 is not the same as probability.

16 MR. JOLLY: Reasonable medical
17 probability is what I meant to say, Paul.

18 MR. LUCAS: Okay.

19 MR. JOLLY: And I'll make that
20 correction.

21 MR. LUCAS: And the second thing --

22 MR. JOLLY: I did say that.

23 MR. LUCAS: I'm not sure that you
24 have -- I have to object also because I think

1 you are asking for an absolute predictability
2 based on some numerical equation which the
3 doctor has already testified that he cannot give
4 medically.

5 So to that extent, the question
6 has been asked and answered.

7 BY MR. JOLLY:

8 Q. First, can you quantify it?

9 A. Not with any precision, no.

10 Q. You wanted to make a general
11 statement. What **would** that **general** statement
12 be?

13 A. Well, you know, the general statement
14 is that there are two things going on here.

15 One is the initial injury. Early
16 treatment of Methylprednisolone, 20 percent of
17 those people do much better than they would have
18 without it. That's as good as you can get.

19 The second is that he had an
20 epidural hematoma. I can't tell you precisely
21 in what point in time it started, but an
22 epidural hematoma has a six hour golden period.

23 If **you get it** within six hours,
24 you can expect to see some improvement. If *you*

1 haven't done it, if **you** haven't corrected **or**
2 evacuated the hematoma after **six hours**, **you** have
3 lost **everything** that you might have gained.

4 Q. You were first contacted by Mr. Lucas
5 when regarding this case?

6 A. Maybe a year and a half ago.

7 Q. When did **you** first formulate the
8 opinions that **you** have today with regard to,
9 one, the mechanics of the **injury**, and two,
10 the -- well, let's leave it in that, the
11 mechanics of the injury.

12 A. Well, I suppose, I first formulated an
13 opinion regarding the mechanics of the injury
14 after reading the medical records that I had
15 been sent.

16 Q. When you first formulated your
17 opinion, **you** did **not** have the benefit of the
18 CT scan?

19 A. That's true.

20 Q. When you first formulated your
21 opinion, you did not have the benefit of the
22 x-rays?

23 A. That's true.

24 Q. Ordinarily in the formulation process,

1 do you not wish to have those records available?

2 A. Oh, absolutely.

3 MR. LUCAS: Let me raise an objection,
4 as Counsel is aware these x-rays and CT's were
5 gone for several years and missing, and your
6 question implies that we have deliberately
7 withheld them.

8 MR. JOLLY: I don't mean to imply, and
9 Mr. Lucas seems to think I'm implying. I
10 represent to you I'm not implying. There is a
11 place I'm going, but that wasn't it.

12 BY MR. JOLLY:

13 Q. Now, would you -- my question was
14 ordinarily you want that stuff.

15 Whether it was lost by accident
16 or not, you want it when you are going through
17 it to make a decision as to what opinions you
18 have, right?

19 A. Sure.

20 Q. The more information you have the
21 better?

22 A. Absolutely.

23 Q. And the reports, themselves, that you
24 reviewed are really narratives of what the

1 doctors have said that they did of what they
2 said they saw?

3 A. Yes, that's true.

4 Q. So looking at the x-rays and the
5 CT scans helps you to determine what really
6 happened -- no, what the condition is?

7 A. **That** is true.

8 Q. Ordinarily wouldn't you want to see
9 those before you firm up your opinion as it
10 relates to the condition of this man?

11 A. Well, in terms of his condition, his
12 condition is obvious from the medical records.

13 I mean, what you are talking
14 about is really firming up opinions **about** what
15 happened. And as I **have** said **all** along, those
16 x-rays are only partially **helpful** because you
17 have to put it in the context of what else is
18 apparent, and what is painfully not apparent is
19 external injury.

20 Q. By the way, you know, you have been
21 very critical of the detention staff.

22 What **was** it that the detention
23 staff -- what physical indications were there to
24 detention staff sufficient to overcome -- I'm

1 **sorry**, let me rephrase all of that.

2 As **you** were going through the
3 records that you reviewed, the depositions that
4 **you** reviewed, did **you** note that medical staff
5 were **involved** from the point in time -- I
6 apologize. I am going to rephrase all of that.

7 Did **you** note that detention staff
8 testified that they were relying on information
9 provided to them, the diagnosis provided to them
10 **by** health care providers?

11 MR. LUCAS: Objection, unless you
12 specify as to when these statements are made and
13 at what time they are relying on.

14 MR. JOLLY: I'll rephrase it.

15 BY MR. JOLLY:

16 Q. At any time did **you** note that
17 detention staff acted contrary to instructions
18 of medical staff throughout his stay at the
19 detention center at any time after the van
20 incident?

21 MR. LUCAS: I have to object, again,
22 because I believe the record is clear that there
23 are no instructions from medical staff until the
24 middle of the day of the 12th of March of 1993.

1 MR. JOLLY: You **know**, I **don't have to**
2 agree with that. I don't think that's an
3 accurate statement.

4 MR. LUCAS: I understand that, but I
5 think you are implying something --

6 BY MR. JOLLY:

7 Q. Well, let me ask you, do *you* recall
8 the detention staff, those involved in
9 transporting Mr. Cort from the stockade to the
10 jail, were advised that they could use a
11 transportation vehicle other than the van?

12 A. **Yes.**

13 Q. Who told them to do that?

14 A. A Nurse Hinson.

15 Q. 1'11 go back to another question.

16 Do you have any recollection that
17 the detention staff ever acted independent of,
18 from their testimony, independent of information
19 provided to them by health care staff, either
20 Dr. Metamoros or any of the nurses?

21 MR. LUCAS: Same objection as before.

22 **Move to strike.**

23 THE WITNESS: Independent of?

24 MR. LUCAS: I know what bothers me

1 about this --

2 THE WITNESS: Are we talking about --

3 MR. LUCAS: The question assumes a
4 fact not -- or an implication not in evidence,
5 and, that is, that there were instructions or
6 information given by medical staff to deputies
7 which there was not. So I object to that
8 question.

9 BY MR. JOLLY:

10 Q. Do you recall that nurses periodically
11 throughout his detention observed him, Cort?
12 And I'm not talking any specific time.

13 I'm just talking about from the
14 time of the van incident was medical staff
15 involved in some level?

16 MR. LUCAS: Well, I am not sure which
17 of the two questions you are asking, but I am --

18 MR. JOLLY: Paul, what you did on
19 direct **was** really, I thought, unfair. So you
20 are making these speeches and I accept that --

21 MR. LUCAS: If you want to give a
22 hypothetical and **ask** him to answer a question, I
23 won't object to that.

24 MR. JOLLY: I'm asking from what his

1 review -- I'm not asking a hypothetical. I
2 don't want an opinion. What I **want** is what he
3 **recalls** of his review of the records from which
4 he has based his opinions **as** to the involvement
5 of detention **staff**.

6 THE WITNESS: Yes, there was.

7 BY MR. JOLLY:

8 Q. Medical staff was involved?

9 A. Yes.

10 Q. Do you recall that the deputies have
11 testified that they were acting on information
12 provided to them by medical staff as to how this
13 man was to be cared for?

14 MR. LUCAS: Objection, unless as
15 specified as to **time** and within the three-day
16 period at which he was located in the jail.

17 THE WITNESS: It is my recollection
18 that a certain group of deputies were told by
19 Nurse Hinson on how they were permitted to
20 transport the patient back to the main jail, and
21 that the purpose of that transfer was to go to a
22 section known **as 2-C**.

23 BY MR. JOLLY:

24 Q. Two Charlie.

1 A. Which was a psychiatric, I think,
2 holding area and that purpose of this was for
3 psychiatric clearance.

4 Q. Where in the materials that you have
5 reviewed do you recall any detention staff
6 person being told that Inmate Cort was suffering
7 from a physical and not a mental problem?

8 A. There never was.

9 Q. Do you have a recollection of all
10 information provided by medical staff to
11 detention staff was that the problem was
12 psychiatric and not physical?

13 A. I think that is the level of input
14 that they got from Nurse Hinson.

15 Q. Your CV, that which has been attached
16 as Plaintiff's A' for ID, consists of 22 pages,
17 correct?

18 A. I haven't counted them.

19 Q. I did.

20 A. Okay.

21 Q. By the way, I didn't count them, but
22 it goes through page 22. If you mislabeled
23 them, there might be more.

24 But, anyway, pages 6 through 22

1 of the CV relate to your **publications**, I think.

2 A. I'll take your word for it.

3 Q. How many of those listed publications
4 deal specifically and are oriented to cervical
5 injuries or spinal injuries?

6 A. Only one, that would be the textbook
7 in emergency medicine published by the Mosby
8 Company.

9 Q. Are you -- do you have an opinion as
10 to whether the injury was complete at the time
11 of whenever it occurred as **opposed** to being
12 incomplete?

13 A. Well, I think it was -- I think it was
14 clearly incomplete because there was evidence of
15 progression after the injury.

16 Q. And by that you mean what?

17 A. That the patient's neurologic status
18 further deteriorated.

19 Q. Are there any materials that you
20 sought in order to evaluate this matter that
21 were not provided to you but you said I need to
22 see this and you **didn't** get it?

23 A. Well, originally, I said the x-rays,
24 let's see what **the** x-rays look like. They're

1 gone. Nobody has them.

2 Q. To this late date, as we are sitting
3 here for purposes of your trial testimony, is
4 there anything that you **asked** for that you
5 didn't get?

6 A. No.

7 Q. Is there anything out there that you
8 think might -- is there anything else out there
9 that you would like to review that you might
10 think would play some role in the opinions that
11 you have or have rendered?

12 A. No, actually because the things that
13 might have given us some hint were the operative
14 reports from the 13th and 18th, and I have read
15 those and neither one of them reflects any
16 soft-tissue damage.

17 Q. Which tells you what?

18 A. Well, you know, that would be sort of
19 the smoking gun of, you know, where did the blow
20 come from and neither is there any evidence in
21 any of the physical exams of any soft-tissue
22 damage to the top of the head.

23 So **you** are sort of scratching
24 your head as to, well, what is the mechanism of

1 injury here.

2 You know, all the pieces aren't
3 there that you would like to be able to say,
4 well, this is how it clearly happened.

5 MR. JOLLY: I have no further
6 questions.

7 R E D I R E C T E X A M I N A T I O N

8 BY MR. LUCAS:

9 Q. Just a couple, Doctor, a couple of
10 general questions.

11 You were asked whether or not
12 you had seen a case similar to this in your
13 background.

14 Are most of your cases involving
15 neck and cervical injuries similar, or are they
16 all different in terms of actual injury and
17 severity of injury?

18 A. Well, in terms of what we see and what
19 I deal with, which is the fact that someone
20 comes in with an acute neurological injury, they
21 are relatively straightforward in terms of what
22 I do. They are almost all the same.

23 What we do is we immobilize the
24 neck, if it has not already been immobilized.

1 If it has, you leave it alone and don't take it
2 off.

3 We then do a **series of diagnostic**
4 tests which range from starting out with a
5 history and physical exam through doing x-rays
6 and CT scans.

7 And emergency treatment in the
8 emergency department, per se, which after
9 **immobilization really** only consists of the use
10 of Methylprednisolone.

11 After that, everything is up to
12 the neurosurgeons. We do nothing. And from
13 that point of view, they are all the **same** with
14 the exception that some of these patients have a
15 life threat, an immediate life threat, and
16 that's usually going to be airway, unless they
17 have some associated injuries associated with
18 the automobile accident or whatever the cause
19 was.

20 Q. Would that also apply then to
21 Brian Cort if he had **been** brought into the
22 emergency room maybe with or without a collar
23 but otherwise requiring emergency aid?

24 A. Sure.

1 Q. What you are discussing is essentially
2 **you are looking in** them as people who are in
3 life threatening or nonlife threatening
4 situations.

5 Then you look at them and
6 diagnose a determination of their cervical
7 break, and then you stabilize and send them out
8 to someone else?

9 A. Sure. Actually, it is called the A,
10 B, C's; airway, **does** he have an airway;
11 breathing, is he breathing; C, circulation, in
12 other words, is his heart beating, **does** he have
13 a blood pressure, is he bleeding externally;
14 and, D, assertive definitive diagnosis and
15 definitive treatment.

16 Definitive diagnosis in this case
17 would have been A, B, C were **okay**, definitive
18 diagnosis is he **has a** C-spine fracture, call the
19 neurosurgeon.

20 Q. Now, Doctor, have you seen similar to,
21 I think, **you** called them -- when **you talked**
22 about football coming together. What is it?

23 A. Head butting injuries?

24 Q. Head butting.

1 A. Yes.

2 Q. Have you seen several of those when
3 they have come in?

4 A. Sure.

5 Q. So you are used to the type of
6 compression fractures and burst fractures that
7 **arise** from that type of injury?

8 A. Oh, sure.

9 Q. You also have seen **victims of** either
10 beatings or assaults that have come in with a
11 broken neck?

12 A. Oh, sure.

13 I mean, we have seen everything
14 from assaults and beatings to people jumping out
15 of windows to going through the windshields of
16 cars.

17 Actually, the most common cause
18 are flexion/extension injuries associated with
19 car accidents.

20 Q. Doctor, I want to ask you about
21 something.

22 When you review cases for
23 legal-medical matters, those things that you go
24 to trial, do you customarily rely on deposition

1 testimony as well as medical records and x-rays
2 and CT's in order to formulate **your** opinion?

3 A. Well, yes, to **the** extent **that, you**
4 know, my job as an expert is to **try** to reach a
5 conclusion believing everything **that** everybody
6 **says.**

7 And when there is a disagreement,
8 obviously that's for the jury to determine **what:**
9 is truth and what **isn't** truth, and then I can
10 only answer **questions** given various
11 **hypotheticals.**

12 But ordinarily my job is to try
13 to believe everybody and come up with an
14 explanation **that allows** everybody to be
15 believable.

16 Q. Essentially do you use **the** depositions
17 and testimony, including the hearsay testimony
18 included therein, in evaluating **cases** and
19 providing opinions?

20 A. Sure.

21 I mean I have no reason to
22 believe **that** any document or any testimony is
23 false. I mean my job is to assume that it is
24 all true.

1 Q. I take it that if something is missing
2 from a file that's given to *you*, whether it be
3 x-rays, CAT scans, some medical records,
4 whatever it is, you come to your conclusion as
5 to what is reasonable and most probable from a
6 medical viewpoint **based** on the evidence that is
7 given to you in any point?

8 A. Sure.

9 You have to deal with what you
10 have available, and one of the **jobs** as an expert
11 is to be able to change his opinion given new
12 evidence.

13 Q. Aside from the comments, observations
14 made by Nurse **Hinson** when she first saw this man
15 after he was injured, did you see any indication
16 of any communication between the Sheriff's
17 deputy or any medical personnel belonging to
18 Prison Health Services, Inc., until 11:45 on the
19 day of March 12, 1993?

20 A. No.

21 Q. Would there -- were there indications,
22 nevertheless, that should have been available or
23 seen by a deputy or anyone else that this man
24 was injured and hurting?

1 MR. JOLLY: Objection, that's
2 speculative. Go ahead.

3 THE WITNESS: I think there were
4 indications that they saw what he was and wasn't
5 doing; that he wasn't moving his legs; that he
6 could barely hold a cup of water; that when he
7 tried to drink the cup of water, a deputy had to
8 help move his elbow to get the cup of water to
9 his mouth.

10 So, you know, there were clearly
11 indications that something was going on here
12 that wasn't normal.

13 MR. JOLLY: Objection. Let me just
14 object and ask that that be stricken, but go
15 ahead.

16 BY MR. LUCAS:

17 Q. Was his report of history to a deput
18 of significance? Was it symptomatic of a
19 problem?

20 A. Oh, sure, that history being that he
21 couldn't move his legs. He said I can't move my
22 legs.

23 THE VIDEOGRAPHER: Off the record at
24 11:48.

1 (Whereupon a short
2 break was had.)

3 THE VIDEOGRAPHER: Back on the record.
4 at 11:49. Please proceed.

5 BY MR. LUCAS:

6 Q. Doctor, assuming for the moment the
7 possibility that there was a hematoma below the
8 skin in **back** of the neck where a blow might have
9 occurred, would that have dissipated or
10 evaporated or gone away within a matter of a
11 week or two?

12 A. Well, that certainly would begin to
13 resolve. How much it would have resolved and
14 how much would have been detectable is really a
15 function of how large it **was** and exactly where
16 the blow was vis-a-vis the incision that was
17 made by the surgeon.

18 Q. Is it possible that a **black** and blue
19 mark or hematoma, whatever, the bruising would
20 not be visible on an Afro-American with a
21 particular dark pigmentation?

22 MR. JOLLY: Objection, predicate.
23 Objection, it is an incomplete and inaccurate
24 hypothetical.

1 MR. LUCAS: Assuming --

2 MR. JOLLY: No.

3 BY MR. LUCAS:

4 Q. Assuming that that was the truth in
5 this case?

6 A. Yes.

7 Q. Is it possible that a blow could have
8 been delivered on the back of the neck causing
9 the injury that it did without leaving any
10 bruising on the surface of the skin?

11 MR. JOLLY: Objection, relevancy. Go
12 ahead, and it is an improper hypothetical.

13 THE WITNESS: Yes.

14 BY MR. LUCAS:

15 Q. Have you in your experience in the ER
16 **and** seeing the number of people that come down
17 with single or multiple injuries, have you **seen**
18 individuals who have been beaten who may be
19 internally injured to a great degree but do not
20 show great external injuries or indications of a
21 **beating?**

22 A. Yes, **that's** true.

23 Q. Doctor, am I correct in saying this is
24 primarily a problem of a failure to diagnose and

1 stabilize initially insofar as Brian Cort is
2 concerned?

3 MR. JOLLY: Objection, form. It is
4 leading. Objection, it **is** incompletely
5 inaccurate. He's asking for an opinion based
6 upon an incomplete **and** inaccurate hypothetical,
7 relevancy and predicate and qualifications.

8 MR. LUCAS: Okay. We will rephrase
9 it.

10 BY MR. LUCAS:

11 Q. Doctor, assuming the original facts
12 that I gave you in this case during our original
13 interrogation and examination and assuming that
14 you have read all the medical records and you
15 have read the depositions, which you have said,
16 is there a problem with diagnosis and
17 stabilization in this case insofar as Brian Cort
18 is concerned?

19 MR. JOLLY: Objection, vague. Go
20 ahead.

21 THE WITNESS: Yes.

22 BY MR. LUCAS:

23 Q. Is that the primary problem?

24 A. Yes.

1 MR. LUCAS: That's all I have..

2 MR. JOLLY: Just one question.

3 R E C R O S S - E X A M I N A T I O N

4 BY MR. JOLLY:

5 Q. Mr. Lucas asked you about injury from
6 a beating not always being observable
7 externally, right?

8 A. I think he talked about not
9 necessarily from a beating. I think he talked
10 about internal injuries not having -- not being
11 observable.

12 Q. There were no internal injuries
13 anywhere on the body with the exception of the
14 specific area of C-3 through C-5 indicating a
15 beating?

16 A. That's true.

17 MR. JOLLY: No further questions.

18 R E D I R E C T E X A M I N A T I O N

19 BY MR. LUCAS:

20 Q. Actually my question was going back to
21 his question.

22 In your work at the hospital as
23 an ER physician, do you see individuals coming
24 in to that hospital who may have **internal**

1 injuries from a beating but who did not exhibit
2 external signs of a beating?

3 A. Yes.

4 MR. LUCAS: Okay. Thank you.

5 MR. JOLLY: No further questions.

6 Dr. Baker, nice to meet you.

7 THE VIDEOGRAPHER: Of€ the record with
8 the conclusion of the deposition of Dr. Frank
9 Baker at 11:53.

10 AND FURTHER DEPONENT SAITH NOT...

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1
2 STATE OF ILLINOIS)
3 COUNTY OF C O O K) SS.

4 I, ELIZABETH R. **MALA-SKWAREK**,
5 Certified Shorthand Reporter and Notary Public
6 in and for the County of Cook, State of
7 Illinois, do hereby certify that on the 16th of
8 August, **A.D.**, 1997, the evidence deposition of
9 the witness, FRANK J. BAKER, 11, M.D., called by
10 the Plaintiffs, was taken before me, reported
11 stenographically and was thereafter reduced to
12 typewriting through computer-aided
13 transcription.

14 The said evidence deposition was
15 taken at the offices of Marriott Suites Hotel,
16 6155 River Road, Rosemont, Illinois, and there
17 were present Counsel as previously set forth.

18 The said witness, **FRANK J. BAKER**,
19 II, M.D., was first duly sworn to **tell** the
20 truth, the whole truth, and nothing but the
21 truth, and was then examined upon oral
22 interrogatories.

23 I further certify that the
24 foregoing is a true, accurate and complete

i record of the questions asked of and answers
2 made by the said witness, at the time and place
3 hereinabove referred to.

4 The undersigned is not interested
5 in the within case, nor of kin or counsel to any
6 of the parties.

7 Witness my official signature and.
8 seal as Notary Public, in and for Cook County,
9 Illinois on this 19th day of
10 August, A.D., 1997.

11
12
13
14
15
16
17
18
19
20
21
22
23
24

Elizabeth R. Mada-Skwarek, CSE

License No. 084-003931

FRANK J. BAKER II, M.D.

89 TIMBER COURT

OAKBROOK, ILLINOIS 60521

630-941-9096

Facsimile 630-941-0044

Diplomate

American College of Emergency Medicine

Diplomate

American Board of Internal Medicine

Diplomate

American Board of Forensic Examiners

Diplomate

American Board of Forensic Medicine

Fellow

American College of Emergency Physicians

Life Fellow

American College of Forensic Examiners

CURRICUEUM VITAE

TELEPHONENUMBER: HOME: 630-941-9099
DATE OF BIRTH: 30 OCTOBER 1945
MARITAL STATUS: MARRIED

EDUCATION

1963 - 1967

Bachelor of Arts (Chemistry)
Elmhurst College
Elmhurst, Illinois

1967 - 1971

Doctor of Medicine
Loyola Stritch School of Medicine
Maywood, Illinois

1982 - 1984


Masters Business Administration
Health Administration and Policy
Executive Program (XP52)
University of Chicago, M.B.A.
Chicago, Illinois

1971 - 1972

Internship, Straight Medicine
University of Chicago Hospitals
Chicago, Illinois

1972 - 1974

Resident, Internal Medicine
University of Chicago Hospitals
Chicago, Illinois



IFJ BAKER CV

Deunlepp
~~DEFENDANT~~ ~~SHERIFF'S~~
____ DEP. EX. NO. *A*
FOR ID. AS OF *8/15/97*

EMPLOYMENT

1974 - 1978	Assistant Professor Division of Emergency Medicine and Department of Medicine University of Chicago Pritzker School of Medicine
1978 - 1984	Associate Professor Department of Emergency Medicine and Department of Medicine University of Chicago Pritzker School of Medicine
1984 - Dec. 1987	Professor Department of Emergency Medicine and Department of Medicine University of Chicago Pritzker School of Medicine
April 1977 - June 1978	Acting Director Division of Emergency Medicine University of Chicago Hospitals
July 1978 - July 1987	Chairman Department of Emergency Medicine University of Chicago Hospitals
1976 - 1987	Founder and Project Medical Director Chicago South Mobile Intensive Care Program
November 1982 - July 1987	Founder and Director University of Chicago Aeromedical Network
May 1988 - Present	Attending Physician, Emergency Department, MacNeal Memorial Hospital, Berwyn, Illinois

CORPORATE BOARD DIRECTORSHIPS

Universal Health Foundation

January 1996

LICENSES AND BOARD CERTIFICATIONS

State of Indiana License 1985

DEA Registration 1972

State of Illinois License 1972

Diplomate, National Board of Medical Examiners 1972

Diplomate, American Board of Internal Medicine 1973

Diplomate, American Board of Emergency Medicine 1980
(initial certification)

Diplomate, American Board of Emergency Medicine 1992
(Recertification)

Fellow, American College of Emergency Physicians 1982

Diplomate, American Board of Forensic Examiners 1996

Diplomate, American Board of Forensic Medicine 1996

Life Fellow, American College of Forensic Examiners 1996

National Affiliate Faculty, American Heart Association 1978

Advanced Cardiac Life Support, American Heart Association 1977

Basic Life Support, American Heart Association 1977

Instructor, Advanced Trauma Life Support, American College of Surgeons 1981

HONORS AND AWARDS

Beta Beta Beta, Blue Key
Illinois Chapter of the American College of Emergency Physicians,
"Bill B. Smiley, M.D., Meritorious Service Award"
1988 Aerospatiale Helicopter Corporation, Aeromedical Achievement Award

COMMUNITY ACTIVITIES

Finance Committee Chairman St. John Lutheran Church LaGrange, Illinois	1990-1993
Member, Coordinating Council St. John Lutheran Church LaGrange, Illinois	1990-1993
Member, Senior & Men's Choirs St. John Lutheran Church LaGrange, Illinois	1988-present

MACNEAL HOSPITAL ACTIVITIES

Member, Department of Family Practice, Quality Assurance Committee	1990-present
--	--------------

PROFESSIONAL ASSOCIATIONS

Associate, American College of Physicians	1973-1975
Member , American College of Emergency Physicians	1975-present
Member , Illinois Chapter, American College of Emergency Physicians	1975-present
Member, American College of Physicians	1975-present
Member , Undersea Medical Society	1975-1984
Fellow, Institute of Medicine of <i>Chicago</i>	1977-1982
Member, Society of Teachers of Emergency Medicine	1977-1987
Member, Chicago Foundation for Medical Care	1978-1981
Member , International Civil Defense, Geneva	1978-1985
Member, University Association for Emergency Medicine	1978-1987
Member, International Society of Disaster Medicine	1979-1981

Member, Club of Mainz Emergency Association (world-wide membership limited to 100)	1981-1987
Member, World Assoc. of Emergency & Disaster Medicine (formerly Club of Mainz, as above)	1987-present
Executive Committee Member, World Association of Emergency & Disaster Medicine	1987-1992
Member, American Society of Hospital Based Emergency Aeromedical Services (ASHBEAMS)	1983-1988
Member, Helicopter Association International	1983-1989
Member, ASTM	1987-1988
Member, American Medical Association	1988-present
Member, Chicago Medical Society	1988-present
Member, Advisory Board, Saudi Arabian Anaesthetic Association	1990-present
Member, American Board of Forensic Examiners	1994-present
Member, American College of Physician Executives	1995-present

UNIVERSITY OF CHICAGO

PRITZKER SCHOOL OF MEDICINE & HOSPITALS AND CLINICS ACTIVITIES

Alternate, Clinical Faculty Advisory Committee	1974-1975
Member, Internship Rotating Committee	1974-1987
Faculty Secretary, Division of Emergency Medicine	1974-1975
Delegate, Clinical Faculty Advisory Committee	1976-1977
Member, Task Force on Graduate Medical Education	1976-1977
Member, Cardiopulmonary Resuscitation Committee	1977-1987
Chairman, Cardiopulmonary Resuscitation Committee	1978-1987
Preceptor, Physical Diagnosis Class	1978-1979
Interviewer, Committee on Admissions	1978-1987
Member, Executive Committee of the Medical Staff	1978-1987
Member, Nursing Director Search Committee	1980
Member, Ambulatory Care Task Force	1980-1981
Alternate Councilor, Council of the University Senate	1980-1981
Chairman, Hospital Disaster Plan Committee	1980-1987
Member, Hospital Admission and Consultation Policy Committee	1981
Member, Ad Hoc Committee on IBX	1982
Councilor, Council of the University Senate	1984-1985
Member, Strategic Planning Committee	1986-1987
Member, Management Utilization Review Committee	1986-1987

UNIVERSITY OF CHICAGO
GRADUATE SCHOOL OF BUSINESS ACTIVITIES

Chairman, 52nd Group of the Executive Program	1982-1983
Preceptor, Graduate School of Business, Health Administration Program	1983-1987
Judge, Touche Ross & University of Chicago, Graduate School of Business Consulting Challenge	January 1988 January 1989
Candidate Interviewer	1996-Present

PROFESSIONAL ACTIVITIES (outside the University of Chicago)

Special Advisor, Department of Emergency Medical Services, Illinois Department of Public Health	1977-1988
Consultant, Trauma Severity Index Project Center for Health Systems Research and Analysis University of Wisconsin, Madison, Wisconsin	1978
Assistant Editor, Abstract Section: <i>Annals of Emergency Medicine</i>	1978-1982
Consultant, <i>The Physician's Underwater and Hyperbaric Handbook</i> Published by the Undersea Medical Society Bethesda, Maryland	1978
Review Editor, <i>Journal of the American Medical Association</i>	1978-present
Official Representative for the American College of Emergency Physicians to the 3rd International Congress on Disaster Medicine Monte Carlo, Monaco	April 1979
Associate Editor, <i>Emergency Department News</i> , New York	1979-1982
Member, Long Range Subcommittee for Mobile Intensive Care and Paramedic Education, Chicago	1980

Co-Chairman, Board of Physicians for Mobile Intensive Care and Paramedic Education, Chicago	1981
Consultant, " CPR - To Save a Life ," film for Encyclopedia Britannica Corporation, Chicago , Illinois	1981
Editorial Board , Journal <i>of Emergency Medicine</i>	1982-1983
Preceptor, Health Systems Management Program, Rush University, Chicago	1983
Adjunct Faculty, Chicago City-Wide Paramedic Program	1983-1984
Member , EMS Committee, Helicopter Association International	1984-1987
Reviewer, Residency Review Committee American College of Emergency Medicine	May 1984
Member, Research Committee, American Society of Hospital Based Emergency Aeromedical Services	1984-1987
Reviewer, "CHEST," The Official Journal of the American College <i>of</i> Chest Physicians	1986-present
Member, Editorial Board, " <i>Ambulatory Medicine Alert</i> "	1987-1988
Coordinating Consultant Physician, <i>Universal Health Conference '91</i> , A Joint Venture between the USSR State Committee for Science and Technology, The Ministry of Public Health of the Moscow City Council, and the Ministry of Public Wealth of the Russian Federation	April 1991- October 1991
United States Co-Chairman Scientific Committee , Second <i>Universal Health Conference, Exhibition and "Micro-Hospital" (TM)</i> Sponsored by the Ministry of Public Health, Republic of Uzbekistan, C.I.S., Ministry of Science, Higher Education and Technology Policy, Russia, C.I.S., and the Khokimiyat (Mayor) of the City of Samarkand, Uzbekistan , C.I.S.	October 1991- Sept. 1993
Medical Director, " <i>Micro-Hospital</i> " (TM) <i>Second Universal Health Conference</i> , Samarkand, Uzbekistan, C.I.S.	October 1991- Sept. 1993
United States Co-Track Leader Scientific Committee, <i>Third Universal Health Conference, "Micro-Hospital" (TM) Workshop & Exhibition 1995</i> Sponsored by the Ministry of Public Health, Republic of Kazakhstan, C.I.S., Ministry of Science, Higher Education and Technology Policy, Russia , C.I.S.	Sept. 1993- April 1995

United States Co-Medical Director, " <i>Micro-Hospital</i> " (TM) <i>Third Universal Health Conference, "Micro-Hospital" (TM) & Exhibition 1995, Almaty, Kazakhstan, C.I.S.</i>	Sept. 1993- April 1995
--	---------------------------

United States Co-Track Leader Scientific Committee, <i>Fourth Universal Health Conference, "Micro-Hospital" (TM) Workshop & Exhibition 1996</i> Sponsored by the Ministry of Science & Technology Policy, Russia, C.I.S., Universal Health Association, Chicago, Illinois, U.S.A.	May 1995- Sept 1996
---	------------------------

United States Co-Medical Director, " <i>Micro-Hospital</i> " (TM) <i>Fourth Universal Health Conference, "Micro-Hospital" (TM) & Exhibition 1996, Moscow, Russia, C.I.S.</i>	May 1995- Sept. 1996
---	-------------------------

Chairman, Scientific Committee, <i>Fifth Universal Health Conference, "Micro-Hospital" (TM) Workshop & Exhibition 1997</i> Sponsored by the All-Russian Center on Disaster Medicine; Ministry of Health of Russia, Ministry of the Russian Federation for Civil Defense, Emergencies and Elimination of Consequences of Natural Disasters (EMERCON); Ministry of Science and Technology, Russia, C.I.S.; The World Association of Disaster and Emergency Medicine (WADEM), Safar Center for Resuscitation Research, Pittsburg, Pennsylvania, U.S.A.; and the Universal Health Association, Chicago, Illinois, U.S.A.	May 1996- Sept. 1997
---	-------------------------

Medical Director, " <i>Micro-Hospital</i> " (TM) <i>Fifth Universal Health Conference, "Micro-Hospital" (TM) & Exhibition 1997, Moscow, Russia, C.I.S.</i>	May 1996- Sept. 1997
---	-------------------------

AMERICAN BOARD OF EMERGENCY MEDICINE (ABEM)

Examiner, Test Committee	1981-1983
--------------------------	-----------

AMERICAN COLLEGE OF EMERGENCY PHYSICIANS (ACEP)

Faculty/Councilor ACEP/EDNA, Innovations in Emergency Medicine	1975
Councilor (Illinois), National Council Meeting	1975-1978
Member, Continuing Education Committee	1976-1979
Member, Council Tellers, Credentials and Elections	1978-1979
Member, National Scientific Meetings Committee	1978-1979
Member, Scientific Assembly Program Committee	1978-1979
Coordinator, Advances in Emergency Medicine Series	1978-1979
Chairman, Scientific Assembly Abstracts, Atlanta	1979
Member, Symposium Committee	1980

Fellow , American College of Emergency Physicians	1982-present
Liaison Representative, American Heart Association	1982-1987
Subcommittee on Emergency Cardiac Care	
Participant, ACEP's Pilot Course on Disaster Management & Planning for Emergency Physicians, Emmitsburg, Maryland	1983
Member, Disaster Committee	1984-1985

ILLINOIS CHAPTER, AMERICAN COLLEGE OF EMERGENCY PHYSICIANS

Member, Scientific Assembly Committee	1975-1977
Member , Board of Directors	1975-1979
Member, Executive Committee	1975-1979
Co-Coordinator, Scientific Assembly Committee	1975-1979
Faculty , Illinois/Missouri Chapters ACEP/EDNA	1975-1976
Chairman, Education Committee and Scientific Assembly Committee	1976-1977
Chairman , Emergency Medical Services Committee	1976-1977
President-Elect	1976-1977
President	1977-1978
Chairman , Ad Hoc Committee on Liaisons with Professional Organizations	1977-1978
Chairman, Structure and Reorganization Committee	1977-1978
Chairman, Executive Committee	1977-1978
Member, Nominating Committee	1978-1979
Member, ACLS Committee	1978-1979
Chairman , Ad Hoc Committee on Awards	1978-1979
Immediate Past-President	1978-1979

UNIVERSITY ASSOCIATION FOR EMERGENCY MEDICINE

Member, Site Selection Committee	1978-1987
Official Liaison between the University Association for Emergency Medicine and the Emergency Department Nurses Association	1978-1980

SOCIETY OF TEACHERS OF EMERGENCY MEDICINE

Member, Board of Directors	1978-1981
Coordinator, STEM Advanced Cardiac Life Support Instructor Course for Faculty in Emergency Medicine	1979
President-Elect	1979-1980
Editor, STEM LETTER	1979-1980
President	1980-1981

Representative, Council of Academic Societies/Association of American Medical Colleges, Washington, D.C.	1978-1980
Member, Consultation Committee	1981-1987

AMERICAN HOSPITAL ASSOCIATION

Faculty, Institute for Disaster Preparedness	1975
Faculty, National Joint Conference on Improving Hospital Emergency Medical Services, <i>Chicago</i>	1977
Faculty, National Joint Conference on Improving Hospital Emergency Medical Services, New Orleans	1978

CHICAGO HEART ASSOCIATION

Member, Emergency Care Committee	1977-1990
Chairman, Advanced Cardiac Life Support Committee	1977-1980
Co-Chairman, Emergency Care Committee	1978-1980
Chairman, Emergency Care Committee	1980-1983
Member, Board of Governors	1982-1987
Member, Advanced Cardiac Life Support TAG Committee	1977-1987
Member, Public Policy & Government Relations Committee	1986

AMERICAN HEART ASSOCIATION

Member, National Faculty, Training Network for ACLS and BLS	1982-1987
Liaison Representative, American Heart Association Subcommittee on Emergency Cardiac Care	1982-1989
Member, Subcommittee on Standards for Cardiopulmonary and Emergency Cardiac Care	1983-1987
Review and Revision of the Standards and Guidelines for Cardiopulmonary Resuscitation and Emergency Cardiac Care, Dallas, Texas	July 1985

EMERGENCY MEDICAL SERVICES COMMISSION OF METROPOLITAN CHICAGO

Mid-South Area Wide Health Organization Representative to Committee on Communications and Transportation	1974-1987
Member, Executive Committee	1975-1987
Member, Mobile Intensive Care Committee	1975-1987

Member, Drug and Paramedic Education Subcommittee, MICU Committee	1975-1976
Vice-Chairman, Disaster Committee	1975-1976
Chairman, Area Wide Hospital Emergency Services	1975-1977
Co-Chairman and Keynote Speaker, Disaster Workshop	1976
Moderator and Panelist, Workshop on Alcohol Abuse	1974
Chairman, Disaster Preparedness Committee	1977-1980
Member, Hospitals Committee of the Emergency Medical Commission	1982-1987
Voting Member, Areawide Emergency Hospital Services Committee	1983-1987

DISASTER DRILLS

Senior Physician, Medical Command, Mid-South Disaster Drill	1974
Senior Physician Observer, Lincoln Park-Lakeview Disaster Drill	1974
Senior Physician Observer, Northside Commission Disaster Drill	1975
Coordinator, Mid-South Disaster Drill	1976
Co-Coordinator, Mid-South Disaster Drill	1977
Moderator, Disaster Critique	1985

COURSES TAUGHT

1. CPR Preceptor, Basic Emergency Medical Technician Course, Archdiocese of Chicago School, Chicago, June 1977.
2. Orientation program for entering medical students, Pritzker School of Medicine, University of Chicago, September 1977.
3. CPR Elective, Pharmacology of Depressive Illness, Continuing Education Symposium University of Chicago, Center for Continuing Education, Chicago, October 1977
4. CPR Instructor's Course, Cook County Sheriff's Department, Maywood, Illinois, March 1978
5. Chicago Federal Executive Board, CPR Program, Chicago, May 1978
6. Chicago Federal Executive Board, CPR Program, Chicago, June 1978
7. "Introduction to Cardiopulmonary Resuscitation." University of Chicago Pritzker School of Medicine, Orientation Program for entering medical students, September 1979
8. Twentieth International Conference on Legal Medicine, American College of Legal Medicine, Houston, Texas, May 1980
9. Physician Base Station Course, CHRIST HOSPITAL, Oak Lawn, Illinois, October 1981
10. "Abdominal Trauma" and "Extremity Trauma," Advanced Trauma Life Support Course (ATLS), Chicago Committee on Trauma, American College of Surgeons, Evanston, Illinois May 1982
11. Physician Base Station Course, Department of Emergency Medicine, University of Chicago, July 1982

12. American College of Emergency Physicians Disaster Course, Los Angeles, California, February 1984
13. *"Abdominal Trauma" and "PASG Application/Removal,"* ATLS Course, Chicago Committee on Trauma of the American College of Surgeons, Evanston, Illinois May 1984
14. American College of Emergency Physicians Disaster Course, Chicago, Illinois July 1984
15. "Abdominal Trauma" ATLS Course, Chicago Committee on Trauma of the American College of Surgeons, Evanston, Illinois November 1984

ADVANCED CARDIAC LIFE SUPPORT COURSES

1. *"Adjuncts to Airway Management,"* Lutheran General Hospital, Park Ridge, Illinois Aug. 1977
2. *"Arrhythmia Identification,"* South Chicago Community Hospital, Chicago, Ill., Dec. 1977
3. *"Essential Drugs in Cardiac Care,"* University of Chicago Hospitals, February 1978
4. *"Stabilization and Transportation,"* University of Chicago Hospitals, February 1978
5. *"Useful Drugs in Advanced Cardiac Life Support,"* University of Chicago Hospitals Feb. 1978
6. *"Useful Drugs in Advanced Cardiac Life Support,"* Michigan Heart Association, Grand Rapids, Michigan, March 1978
7. *"Adjuncts to Circulation,"* Airway Station, Lake Forest Hospital, Lake Forest, Ill., Mar. 1978
8. *"Defibrillation,"* Airway Station, South Chicago Hospital, Chicago, Illinois, June 1978
9. *"Intravenous Techniques,"* American Medical Association Meeting, St. Louis, MO., June 1978
10. *"Useful Drugs in Advanced Cardiac Life Support,"* University of Chicago Hospitals, July 1978
11. *"Introduction to Advanced Cardiac Life Support,"* Therapy for Dysrhythmia Station, Illinois Masonic Medical Center, Chicago, Illinois, July 1978
12. *"Acid Base Balance,"* Therapy for Dysrhythmia Station, Northwest Community Hospital, Arlington Heights, Illinois, January 1979
13. *"Defibrillation,"* Therapy for Dysrhythmia Station, Ingalls memorial Hospital, Harvey, Illinois, March 1979
14. Affiliate Faculty, Rush-Presbyterian-St. Luke's Medical Center, Chicago, Illinois, March 1980
15. *"Advances in Basic Life Support,"* Rush-Presbyterian-St. Luke's Medical Center, Chicago, Illinois, March 1980
16. *"Defibrillation,"* Rush-Presbyterian-St. Luke's Medical Center, Chicago, Illinois, March 1980
17. *"Acid Base Balance,"* University of Chicago, Department of Emergency Medicine, July 1980
18. *"IV's,"* Michael Reese Medical Center course for physicians, September, 1980

19. **"Monitoring and Dysrhythmia,"** University of Chicago, Department of Emergency Medicine, Center for Continuing Education, November 1980
20. **"Defibrillation Cardioversion,"** University of Chicago, Department of Emergency Medicine Center for Continuing Education, November 1980
21. **ACLS Affiliate Faculty Update, Chicago and Illinois Heart Affiliates,** Northwestern University Hospital, Chicago, Illinois, January 1982
22. **ACLS Affiliate Faculty, Senior Medical Students, Pritzker School of Medicine,** April 1982
23. **ACLS Affiliate Faculty, Senior Medical Students, Pritzker School of Medicine,** May 1982
24. **ACLS Affiliate Faculty, Department of Medicine Faculty, University of Chicago,** June 1982
25. **"Acid Base Balance" and "Patient Management,"** University of Chicago, June 1982
26. **ACLS Instructor Affiliate Faculty, Department of Emergency Medicine,** April 1983
27. **ACLS Affiliate Faculty, Senior Medical Students, Pritzker School of Medicine,** April 1983
28. **"Acid Base Balance" and "IV Techniques,"** University of Chicago Hospitals, June 1983
29. **Faculty Sponsor, St. Catherine's Hospital, East Chicago, Indiana,** June 1986.

PANEL DISCUSSIONS

1. **"Mobile Intensive Care and Radiotelemetry," Symposium** for Emergency Nurses, Chicago, Illinois 1977
2. **"Stump the Experts,"** Illinois Combined Scientific Assembly, American College of Emergency Physicians, Emergency Department Nurses Association, April 1977
3. **"In the Pit or in the Pulpit-Emergency Department v. Traditional Services as Training Sites,"** Society of Teachers of Emergency Medicine, Scientific Assembly, Atlanta, Georgia, October 1979
4. **"Slave Shop or Training Ground,"** Second Annual Society of Teachers of Emergency Medicine Silver Tongue Orators Debate, American College of Emergency Physicians/Society of Teachers of Emergency Medicine Scientific Paper Presentation, Las Vegas, Nevada, September 1980
5. **"The Impact of Emergency Medical Specialists on Surgical Care in the Emergency Room,"** Committee of Issues of the Association for Academic Surgery, The University of Alabama, November, 1980
6. **"Organizational and Physiological Problems in Airplane and Airport Disasters,"** Chairman, International Symposium on Airplane and Airport Disasters, Mainz, Germany, March 1981
7. **"Management of Complex Cases in Emergency Medicine,"** Illinois Combined Scientific Assembly, Chicago, Illinois, April 1982
8. **"Scientific Paper Discussion,"** 1982 Scientific Assembly, San Francisco, California, September 1982
9. **"Do Trauma Centers Make a Difference?"** American College of Surgeons, 68th Annual Clinical Congress, Chicago, Illinois, October 1982
10. **"Ethics and Morals in Emergency Medical Services,"** Tenth Annual Combined Scientific Assembly, Itasca, Illinois, May 1984

11. **"TraumaScore Concept and Patient Treatment,"** Northern Illinois Medical Center Trauma Center, McHenry, Illinois, May 1984
12. **"Aeromedical Transport Teams,"** Emergency Medical Services Symposium, St. Mary of Nazareth Hospital, Chicago, Illinois, September 1985
13. **"Cardiology Update,"** Porter Memorial Hospital, Valparaiso, Indiana, November 1985
14. **"Changing Times, Changing Opinions: How Should Trauma Centers be Designated and How Should the Trauma Center System be Integrated with the Existing EMS System?"** Illinois Chapter, American College of Emergency Physicians Winter Symposium, Lake Geneva, Wisconsin, February 1986
15. **"Can You Top This One?"** Illinois Emergency Nurses Association Thirteenth Annual Scientific Assembly, Oakbrook, Illinois, April 1986

PUBLICATIONS

TEXTBOOK

EMERGENCY MEDICINE: Concepts and Clinical Practice. P. Rosen, F. Baker, G. Braen, R. Dailey, R. Levy, The C.V. Mosby Co., St. Louis, MO., First Edition, March, 1983

EMERGENCY MEDICINE: Concepts and Clinical Practice. P. Rosen, F. Baker, R. Barkin, G. Braen, R. Dailey, R. Levy, The C.V. Mosby Co., St. Louis, MO., Second Edition, Oct. 1987

JOURNAL ARTICLES

1. Kohn, M., Baker F.: **Hyperbaric Therapy for CO Intoxication (letter).** JACEP 4(2):161, Mar/April, 1975
2. Fauman, B., Baker, F., Coppleson, L., Rosen, P., et al.: **Psychosis Induced by Phencyclidine.** JACEP 4(3):223, May/June, 1975
3. Baker, F., Rosen, P., et al.: **Diabetic Emergencies: Hypoglycemia and Ketoacidosis.** JACEP 5(2):119, February, 1976
4. Sternbach, G., Baker, F.: **The Emergency Joint: Arthrocentesis and Synovial Fluid Analysis.** JACEP 5(10):787, October, 1976
5. Gerschke, G., Baker, F., Rosen, P.: **Pulsus Paradoxus as a Parameter in the Treatment of the Asthmatic.** JACEP 6(5): 191, May 1977
6. Baker, F., Sternbach, G., Rosen, P.: **Case Reports in Emergency Medicine, 1974-1976.** Technomic Publishing Company, Westport, Connecticut, 1977. Participant in Case Report Discussions.
7. Rothstein, R., Baker, F.: **Tetanus: Prevention and Treatment.** JAMA 240(7):675, 1978
8. Baker, F., Franaszek, J.: **Coordination and Cooperation of Public and Private Agencies in the Design and Operation of a Major Metropolitan Disaster Plan.** Proceedings of the First International Conference in Israel on Mass Casualty Management, Safad, Israel, September, 1978

9. Baker, F: *Regional Disaster Planning*. In the Organization and Administration of Emergency Medical Care (Sternbach, G. Editor), Technomic Publishing Company, Westport, Connecticut, 1978
10. Baker, F.: *Radio Telemetry and Mobile Intensive Care*. In the Organization and Administration of Emergency Medical Care. (Sternbach, G. Editor), Technomic Publishing Company, Westport, Connecticut, 1978
11. Lumpkin, J., Baker, F., Franaszek, J.: *Alcoholic Ketoacidosis in a Pregnant Woman*. JAGEP 8(1):21, January 1979.
12. Baker, F: *Management of Mass Casualty Disasters*. Topics in Emergency Medicine 1(1), March 1979
13. Baker, F: *Management of Crash Site: City of Chicago Disaster Plan*. Pulse 6: Summer 1979
14. Baker, F: *Hospital physician's Role in Disaster Planning and in the Management of the Disaster Site: The City of Chicago Disaster Plan*. Disaster Medicine: Types and Events of Disasters, Organization in Various Disaster Situations. (Frey, R, Safar, P.: Editors), Springer-Verlag, Berlin, Germany, 1980
15. Graber, T., Yee, A., Baker, F: *Magnesium: Physiology, Clinical Disorders and Therapy*. Annals of Emergency Medicine 10(1):49, January, 1981
16. Baker, F., Straws, R., Walter, J.: *Cardiac Arrest*, Chapter 4, EMERGENCY MEDICINE: Concepts and Clinical Practice (Rosen, P., et al., editors) St. Louis, MO., The C.V. Mosby Co., March 1983, First Edition
17. Janson, C., Birnbaum, G., Baker, F: *Hypophosphatemia*. Annals of Emergency Medicine 12:107, February, 1983
18. Baker, F. Straws, R., Walter, J: *Cardiac Arrest*, Chapter 4, EMERGENCY MEDICINE: Concepts and Clinical Practice (Rosen, P. et al., Editors) St. Louis, MO., The C.V. Mosby Co., March, 1983, Second Edition
19. Springer, G.F., Baker, F: *Cranial Burr Hole Decompression in the Emergency Department*. The American Journal of Emergency Medicine, November, 1988. W.B. Saunders, Vol. 6, Number 6
20. Baker, F: *Narcotic Poisoning; Conn's Current Therapy*, W.B. Saunders Company, 1990

LECTURES AND SCIENTIFIC PRESENTATIONS

1. *"Obesity,"* Hyde Park Community Health Organization, Chicago, Illinois, September 1973
2. *"Diabetic Emergencies,"* Illinois Regional American College of Emergency Physicians Meeting, Chicago, Illinois, April 1974
3. *"Diabetic Emergencies,"* National American College of Emergency Physicians Scientific Assembly, Washington, D.C., November, 1974
4. *"Malignant Hypertension/Hypertensive Emergencies,"* Illinois Missouri Regional American College of Emergency Physicians Scientific Assembly, St. Louis, MO., April, 1975

5. *'Diabetic Emergencies,'* Illinois/Missouri Regional American College of Emergency Physicians Scientific Assembly, St. Louis, Mo., April, 1975
6. *"Carbon Monoxide Poisoning,"* Illinois/Missouri Regional American College of Emergency Physicians Scientific Assembly, St. Louis, Mo., April 1975
7. *"Regional Disaster Planning, Components of Urban Disaster Planning,"* Institute of Disaster Preparedness Workshop, American Hospital Association, Chicago, Illinois, May, 1975
8. *"Carbon Monoxide Poisoning,"* Paramedic Continuing Education Program, North Suburban Association of Health Resources, September, 1975
9. *"Malignant Hypertension/Hypertensive Emergencies,"* National American College of Emergency Physicians Scientific Assembly, Las Vegas, Nevada, October, 1975
10. *"Carbon Monoxide Poisoning,"* Frontiers of Medicine, Chicago, Illinois, November, 1975
11. *"Metabolic Causes of Shock,"* Emergency Department Nurses Association Symposium on Shock, Chicago, Illinois, January, 1976
12. *"Care of Hypertensive Emergencies,"* Chicago Medical Society, Midwest Clinical Conference, March, 1976
13. *"Diabetic Emergencies,"* Chicago Medical Society, Midwest Clinical Conference, Chicago, Illinois, March, 1976
14. *"General Guidelines for Disaster Mobilization,"* Illinois Chapter of the American College of Emergency Physicians Scientific Assembly, Chicago, Illinois, April, 1976
15. *"Electrocardiography for the Emergency Physician,"* Illinois Chapter of the American College of Emergency Physicians Scientific Assembly, Chicago, Illinois, April, 1976
16. *"Carbon Monoxide Poisoning,"* Illinois State Medical Society's Annual Meeting, Chicago, Illinois, April, 1976
17. *"Pneumonia,"* Emergency Medicine: Theory and Procedure, University of Chicago Division of Emergency Medicine, Continuing Education Symposium, July, 1976
18. *"Malignant Hypertension/Hypertensive Emergencies,"* Emergency Medicine, Theory and Procedure, University of Chicago Hospitals and Clinics, Division of Emergency Medicine, Continuing Education Symposium, July 1976
19. *"Regional Disaster Planning,"* Keynote presentation, Disaster Preparedness Workshop, Chicago Hospital Council, Chicago, Illinois, July, 1976
20. *"Metabolic Causes of Shock,"* Pre-Hospital and Emergency Medical Technician Symposium, Northwestern Memorial Hospitals/American Association of Trauma Specialists, Chicago, Illinois, August, 1976
21. *"Shock,"* Pre-Hospital and Emergency Medical Technician Symposium, Northwestern Memorial Hospital, August, 1976
22. *"Carbon Monoxide Poisoning,"* Christ Hospital, Trauma Day, Oak Lawn, Illinois, October, 1976
23. *"Pulsus Paradoxus in Asthma,"* American College of Emergency Physicians Scientific Assembly, New Orleans, Louisiana, October, 1976
24. *"Mobile Intensive Care Radiotelemetry,"* Christ Hospital Public Relations Society, Oak Lawn, Illinois, November, 1976
25. *"Diabetic Emergencies,"* Holy Cross Hospital, Chicago, Illinois, January, 1977

26. ***"Use and Interpretation of Arterial Blood Gases,"*** Symposium for Emergency Nurses, Chicago, Illinois, February, 1977
27. ***"Treatment of Multi-System Injuries in the Community Hospital Emergency Department,"*** The Swedish Covenant Hospital, Chicago, Illinois, February, 1977
28. ***"Post-Operative Complication in Diabetes,"*** Riverside Hospital, Kankakee, Illinois, March, 1977
29. ***"Salicylate Poisoning,"*** Illinois Combined Scientific Assembly, Illinois Chapter, American College of Emergency Physicians, Chicago, Illinois, April, 1977
30. ***"Mobile Intensive Care and Radiotelemetry,"*** The Organization and Administration of Emergency Medical Care, Chicago, Illinois, May, 1977
31. ***"Regional Disaster Planning,"*** The Organization and Administration of Emergency Medical Care, Chicago, Illinois, May, 1977
32. ***"Carbon Monoxide Poisoning,"*** The Organization and Administration of Emergency Medical Care, Chicago, Illinois, May, 1977
33. ***"Metabolic Causes of Confusion and Coma,"*** Emergency Medicine: Theory and Procedure, University of Chicago, Division of Emergency Medicine, Continuing Education Symposium, June, 1977
34. ***"Mobile Intensive Care and Radiotelemetry,"*** Emergency Medicine Theory and Procedure, University of Chicago, Division of Emergency Medicine, Continuing Education Symposium, June, 1977
35. ***"Malignant Hypertension/Hypertensive Emergencies,"*** Emergency Medicine: Theory and Procedure, University of Chicago, Division of Emergency Medicine, Continuing Education Symposium, June 1977
36. ***"Carbon Monoxide Poisoning,"*** Emergency Medicine: Theory and Procedure, University of Chicago Hospitals and Clinics, August, 1977
37. ***"The Chicago Disaster Plan,"*** Grand Rounds, Division of Emergency Medicine, University of Chicago, August, 1977
38. ***"Hospital Physicians Role in Disaster Planning and Management of the Disaster Site - The City of Chicago Disaster Plan,"*** International Conference on Disaster Medicine, Mainz, Germany, September, 1977
39. ***"The Emergency Medical Care System,"*** National Joint Conference on Improving Hospital Emergency Services, American Hospital Association, Chicago, Illinois, November, 1977
40. ***"Chest Pain,"*** Grand Rounds, Division of Emergency Medicine, University of Chicago, January, 1978
41. ***"Use and Interpretation of Arterial Blood Gases,"*** Symposium for Emergency Nurse, Chicago, Illinois, January, 1978
42. ***"Emergency Care for Heart Attacks - CPR,"*** taped demonstration WBBM-TV Channel 2, Chicago, Illinois, February, 1978
43. ***"Use of Nitronox Analgesia in the Emergency Department,"*** Grand Rounds, Division of Emergency Medicine, University of Chicago, March, 1978
44. ***"Organization and Implementation of Hospital Disaster Care Plan,"*** Disaster Symposium, Emergency Department Nurses Association, Champaign Chapter, Champaign, Illinois, March, 1978

64. **"Adult Pulmonary Infection,"** Comprehensive Review in Emergency Medicine, Chicago Illinois, December, 1979
65. **"Respiratory Physiology,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, December 1979
66. **"A Panoply of Emergency Care,"** Second Combined Continuing Education, American College of Emergency Physicians/Emergency Department Nurses Association, Chicago, Illinois, May, 1990
67. **"Directions in Emergency Care,"** Keynote Opening Address, Illinois Chapter of the American College of Emergency Physicians Combined Scientific Assembly, Chicago, Illinois, May, 1981
68. **"Diabetic Keto acidosis,"** Emergency Medicine Departmental Meeting, Medical Services Incorporated, Chicago, Illinois, May, 1980
69. **"Tricyclic Ingestions,"** Wisconsin Chapter American College of Emergency Physicians Fall Symposium, Wisconsin Chapter, ACEP, Milwaukee, Wisconsin, October, 1980
70. **"Adult Pulmonary Infection,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, November, 1980
71. **"Respiratory Physiology,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, November, 1980
72. **"Acid Base Balance,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, November, 1980
73. **"Carbon Monoxide Poisoning,"** Christ Hospital, Oak Lawn, Illinois, February, 1981
74. **"Decision Making in the Emergency Department,"** Grand Rounds, Department of Emergency Medicine, University of Chicago, February, 1981
75. **"Lessons from a Jumbo Jet Crash in a Major Metropolitan Area: American Airlines Flight 191,"** International Symposium on Airplane and Airport Disasters, Mainz, Germany, March, 1981
76. **"Airplane and Airport Disasters,"** Video-tape demonstration, International Symposium on Airplane and Airport Disasters, Mainz, Germany, March, 1981
77. **"New Developments in Artificial Blood Substitutes,"** 7th Annual Combined Scientific Assembly, Illinois Chapter, American College of Emergency Physicians, April, 1981
78. **"Respiratory Physiology,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, May, 1981
79. **"Pulmonary Infections in Adults,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, May, 1981
80. **"Artificial Blood Substitutes,"** Annual Clinic Day, Northern Illinois Medical Associates, May, 1981
81. **"Acid Base Balance,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, May, 1981
82. **"Artificial Blood Substitutes,"** McHenry Hospital Annual Clinic Day, McHenry, Illinois, May 1981
83. **"General Trauma Management,"** Specialty Review in Emergency Medicine, Cook County Graduate School of Medicine, Chicago, Illinois, July, 1981
84. **"Physician Base Station Course,"** Christ Hospital, Oak Lawn, Illinois, October, 1981

85. **"New Development in the Emergency Treatment of Asthma,"** Scientific Assembly, American College of Emergency Physicians, New Orleans, Louisiana, September, 1981
86. **"Lessons from a Jumbo Jet Crash in a Major Metropolitan Area: American Airlines Flight 191,"** Rush-Presbyterkin St. Luke's' ~~Hospital~~, Disaster Committee, Chicago, Illinois December, 1981
87. **"Respiratory Physiology,"** Comprehensive Review in Emergency Medicine, American College of Emergency Physicians, Chicago, Illinois, March, 1982
88. **"Pulmonary Infections in Adults,"** Comprehensive Review in Emergency Medicine, American College of Emergency Physicians, **Chicago**, Illinois, March, 1982
89. **"Acid Base,"** Comprehensive Review in Emergency Medicine, **American** College of Emergency Physicians, Chicago, Illinois, **March**, 1982
90. **"Regional Disaster Planning,"** Freshman Course in Emergency Medicine, Pritzker School of Medicine, Chicago, Illinois, April, 1982
91. **"Artificial Blood Substitutes,"** Cardiology **Grand** Rounds, Department of Medicine, University of Chicago, April, 1982
92. **"Evaluating the Multi-Trauma Patient,"** American College of Osteopathic Emergency Physicians, Chicago, Illinois, May, 1982
93. **"Chest Auscultation,"** Nursing Telemetry **Course**, Department of Emergency Medicine, June, 1982
94. **"Endocrine and Metabolic Emergencies,"** Cook County Graduate School of Medicine Chicago, Illinois, June, 1982
95. **"Respiratory Physiology,"** Comprehensive Review in Emergency Medicine, **Chicago**, Illinois, June 1982
96. **"Pulmonary Infections in Adults,"** Comprehensive Review in Emergency Medicine, American College of Emergency Physicians, **Chicago**, Illinois, **June**, 1982
97. **"Acid Base,"** Comprehensive Review in Emergency Medicine, Chicago, Illinois, June, 1982
98. **"Decision Making in the Emergency Department,"** Grand Rounds , Department of Emergency Medicine, University of Chicago, July, 1982
99. **"Approach to Trauma,"** Grand Rounds , Department of Emergency Medicine. University of Chicago, July, 1982
100. **"General Trauma Management,"** Cook County Graduate School of Medicine, Chicago, Illinois, August, 1982
101. **"Decision Making: The Key Question,"** Symposium on Decision Making in the Field, Department of Emergency Medicine, University of Chicago, August, 1982
102. **"Diabetic Emergencies,"** Grand Rounds, Department of Emergency Medicine, University of Chicago, October, 1982
103. **"Diabetic Emergencies,"** Grand Rounds, Department of Emergency Medicine, University of Chicago, January, 1983
104. **"Hospital Based Emergency Aeromedical Services,"** Cardiology Grand Rounds, Department of Medicine, University of Chicago, March, 1983
105. **"Hyperbaric Oxygen,"** Grand Rounds, Department of Emergency Medicine, University of Chicago, April, 1983

106. ***"Nitrous in the Emergency Department,"*** Illinois Combined Scientific Assembly, Chicago, Illinois, April, 1983
107. ***"Adult and Pediatric Shock,"*** Paramedic Recertification Review, Illinois Masonic Medical Center, April, 1983
108. ***"Cooperation Between the Public and Private Sectors in EMS Design and Implementation - The Chicago Consortial Approach,"*** First International Urban Emergency Medical Services System Symposium/Workshop, New York City, New York, June, 1983
109. ***"Hospital Based Emergency Aeromedical Services,"*** Perinatal Group, University of Chicago Hospitals and Clinics, June, 1983
110. ***"Decision Making in the Emergency Department,"*** Grand Rounds, Department of Emergency Medicine, University of Chicago, July, 1983
111. ***"Emergency Medical Helicopter Transport,"*** Department of Emergency Medicine, University of Chicago, March, 1984
112. ***"Disasters,"*** Grand Rounds, Department of Emergency Medicine, University of Chicago, March, 1984
113. ***"Aeromedical Transport Issues in Disaster Preparedness,"*** Disaster Committee, Rush-Presbyterian-St. Luke's Medical Center, Chicago, Illinois, April, 1984
114. ***"Introduction to Emergency Medicine,"*** Department of Emergency Medicine, University of Chicago, July, 1985
115. ***"Trauma and the Elderly,"*** City of Chicago, Department of Health, Chicago, Illinois, October, 1984
116. ***"Uses and Abuses of the Air Ambulance,"*** EMS Exposition, Porter Memorial Hospital, Valparaiso, Indiana, November, 1984
117. ***"Helicopters in EMS,"*** Eleventh Annual Scientific Assembly, Chicago, Illinois, May, 1985
118. ***"Assessment Tools for Trauma Scoring,"*** Twelfth Annual Scientific Assembly, Chicago, Illinois, April, 1985
119. ***"Pre-Hospital Care: Aeromedical Systems,"*** Scientific Assembly, Las Vegas, Nevada, October, 1985
120. ***"Use of Helicopters for Disaster Scene Response,"*** American Trauma Society-Wisconsin Division Annual Meeting, Milwaukee, Wisconsin, November, 1985
121. ***"Organization of Disaster Medical Response: Lessons from Practice,"*** Disaster Management and Planning for Physicians, Wright State University School of Medicine, Dayton, Ohio, May, 1986
122. ***"The Impact of Hospital-Based Aeromedical Service, The Changing Role of the Emergency Department: New Challenges for the '80's,"*** New England Hospital Assembly, Framingham, Mass., September, 1983.
123. ***"Management of Thoracic Injury,"*** Emergency Care Seminar, December, 1983
124. ***"Multiple Trauma,"*** Department of Education, Beloit Memorial Hospital, Beloit, Wisconsin, July, 1986
125. ***"Introduction to Emergency Medicine,"*** Department of Emergency Medicine, University of Chicago, July, 1986

126. ***"EMS: Aeromedical Systems,"*** Scientific Assembly, American College of Emergency Physicians, Atlanta, Georgia, September, 1986
127. ***"Innovative Diabetic Management,"*** Illinois Emergency Nurses Association, 13th Annual Scientific Assembly, Oakbrook, Illinois, April, 1987
128. ***"The Use of an Aeromedical Service for the Transport of Patients on Intra-Aortic Balloon Pumps in the Regionalization of Medical Care,"*** Fifth World Congress on Emergency and Disaster Medicine, Rio de Janeiro, Brazil, May, 1987
129. ***"Crash of a Helicopter on a Hospital Roof: Prevention and Damage Control,"*** Fifth World Congress on Emergency and Disaster Medicine, Rio de Janeiro, Brazil, May, 1987
130. ***"The Effects of Aeromedical Transport on the Survival of Burn Patients,"*** Fifth World Congress on Emergency and Disaster Medicine, Rio de Janeiro, Brazil, May, 1987
131. ***"Aeromedical Crashes in the United States: An Epidemic of Recklessness or the Cost of Doing Business?"*** Fifth World Congress on Emergency and Disaster Medicine, Rio de Janeiro, Brazil, May, 1987
132. ***"Crash of a Helicopter on a Hospital Roof: Lessons for Hospital Evacuation,"*** Fifth World Congress on Emergency and Disaster Medicine, Rio de Janeiro, Brazil, May 1987
133. ***"Field Treatment and Evacuation Modalities,"*** Disaster and Mass Casualty Symposium of the Canadian Trauma Society, Montreal, Canada, February, 1988
134. ***"Multi-Hospital Disaster Preparedness,"*** Disaster and ~~Mass~~ Casualty Symposium of the Canadian Trauma Society, Montreal, Canada, February, 1988
135. ***"Medical Care on Commercial Aircraft: Is It or Is It Not Available?"*** Sixth World Congress on Emergency and Disaster Medicine, Hong Kong, September, 1989
136. ***"Emergency Medicine in the U.S.A.: An Overview,"*** Universal Health Conference, Moscow, Russia, October, 1991
137. ***"Technological Advances in Hospital Emergency Care- The United States Experience,"*** Universal Health Conference, Moscow, Russia, October, 1991-
138. ***"Emergency Medicine in the U.S.A.: An Overview,"*** Second Universal Health Conference, Exhibition and "Micro-Hospital" (TM), Samarkand, Uzbekistan, C.I.S., September, 1993
139. ***"Technological Advances in Hospital Emergency Care: The United States Experience,"*** Second Universal Health Conference, Exhibition and "Micro-Hospital" (TM), Samarkand, Uzbekistan, C.X.S., September, 1993

BROWARD COUNTY SHERIFF'S OFFICE

DATE	MANUAL	DISTRIBUTION	SECTION
04-20-92	STANDARD OPERATING DETENTION	ALL DETENTION EMPLOYEES	MEDICAL

SUBJECT:

INMATE

ARE
DISABLED INMATE CARE

RESCIND ☐

NEW

AMEND ☐

POLICY:

It will be the policy of the Department of Detention to provide proper care protection to inmates who are mentally, physically or developmentally disabled.

A. Inmates who present mental, developmental and/or physical disabilities should be identified through intake screening and/or health appraisal procedures.

B. Detention personnel and medical personnel will communicate with each other when an inmate is suspected or diagnosed as having a mental, physical or developmental disability and determine a course of action treatment and/or handling of the inmate.

C. Inmates who are determined to be disabled should be referred for follow-up to an appropriate community service agency or facility when necessary.

D. Community referral agencies include, but are not limited to:

1. Broward County Mental Health Department
2. Veterans' Administration, Miami, Florida

E. In actions regarding disabled inmates, the medical staff and the facility administration will confer and agree on the following:

1. Housing assignments
2. Program assignments
3. Disciplinary measures
4. Transfers

F. Disabled inmates, particularly those who exhibit mental or developmental disabilities, should be closely monitored as to their adaptation to the correctional environment.

BROWARD COUNTY SHERIFF'S OFFICE

DATE	MANUAL	DISTRIBUTION	SECTION	NI
04-20-92	STANDARD OPERATING	ALL DETENTION	MEDICAL	7
SUBJECT: INMATE HEALTH CARE				
<input type="checkbox"/> RESCIND <input type="checkbox"/> NEW <input type="checkbox"/> AMEND				

POLICY:

It will be the policy of the Department of Detention to treat inmates in a humane manner and to provide for necessary health care while they are incarcerated in the Broward County Jail Facilities. Overall procedures will be established for inmate health care and to prohibit certain acts related to inmate health care PROCEDURE:

- The Health Care Provider will provide or furnish medical or dental prostheses to an inmate when the health of the inmate would otherwise adversely affected as determined by the staff physician or dentist.
- The use of inmates for medical, pharmaceutical or cosmetic experiment strictly prohibited.

- This policy does not preclude the use of new medical treatments and/or procedures which are not readily available, but which may be helpful to the inmate. However, such treatment or procedure must have the expressed written consent of the inmate.