

1 State of Ohio,) SS:

2 County of Cuyahoga.)

3 - - -

4 IN THE COURT OF COMMON PLEAS

5 - - -

6 Regina Rushdan,)

7 Plaintiff,) Case No. 326887

8 vs.) Judge Boyko

9 David Baringer, M.D.,)

10 Defendant.)

11 - - -

12 THE DEPOSITION OF DAVID BARINGER, M.D.

13 THURSDAY, OCTOBER 23, 1997

14 - - -

15 The deposition of DAVID BARINGER, M.D., the
16 Defendant herein, called for examination by the
17 Plaintiff, under the Ohio Rules of Civil Procedure, taken
18 before me, Michelle R. Hordinski, Registered Professional
19 Reporter and Notary Public in and for the State of Ohio,
20 pursuant to agreement, at the offices of Howard Mishkind,
21 Esq., Skylight Office Tower, Cleveland, Ohio, commencing
22 at 2:00 p.m., the day and date above set forth.

23

24 - - -

25

)

)

)

1 APPEARANCES:

2

3 On behalf of the Plaintiff:

4 HOWARD MISHKIND, ESQ.

Becker & Mishkind

5 660 Skylight Office Tower

Cleveland, Ohio 44113

6

7

On behalf of the Defendant:

8

STEPHEN HUPP, ESQ.

9 Jacobson, Maynard & Tuschman

1001 Lakeside Avenue

10 Suite 1600

Cleveland, Ohio 44114

11

12

13

14

15

16

- - -

17

18

19

20

21

22

23

24

25

1 DAVID BARINGER, M.D.
2 the Defendant herein, called for examination by the
3 Plaintiff, under the Rules, having been first duly sworn,
4 as hereinafter certified, deposed and said as follows:

5 PROCEEDINGS

6 MR. MISHKIND: Let the record
7 reflect that we are here today to take the
8 deposition of Dr. David Baringer in case
9 number **326887**, and the deposition is being
10 taken pursuant to agreement. It previously
11 had been scheduled by notice, but
12 rescheduled by agreement, and that any
13 defects that exist in notice or service are
14 waived.

15 MR. HUPP: Agreed.

16 MR. MISHKIND: Okay.

17 CROSS-EXAMINATION

18 BY MR. MISHKIND:

19 Q. Doctor, my name is Howard Mishkind, and I represent
20 Regina Rushdan in the lawsuit that has been filed
21 against you.

22 I'm going to be asking you a series of
23 questions this afternoon. You've had your
24 deposition taken before, but you and I have never
25 met, so I would only ask that, when I ask you a

1 question, before you start answering the question,
2 make sure that first you wait until I'm done, and
3 secondly you wait until you're certain that you
4 understand what I'm asking you.

5 A. Okay.

6 Q. If you don't understand what I'm asking you, would
7 you tell me, I have no idea what you're talking
8 about, or rephrase it, or read it back before you
9 venture an answer?

10 A. Yes.

11 Q. And even though we're all well-intentioned in terms
12 of not overlapping, inevitably someone is going to
13 start speaking before the other person is done. I
14 will try to give you the respect, and I would ask
15 equally that you do the same in terms of not
16 responding until I'm done.

17 A. Okay.

18 Q. Thank you, good start.

19 Doctor, tell me where your business address
20 is.

21 A. One Mt. Sinai Drive, Cleveland, Ohio, **44106**.

22 Q. And how long has your office been there?

23 A. About **14** years.

24 Q. Are you affiliated with any other physicians in
25 your practice?

- 1 A. I'm just recently forming a group with three other
2 physicians.
- 3 Q. Who are they?
- 4 A. Dr. Elmer Perse, Dr. Ami Aszodi, Dr. Jeffrey
5 Marks.
- 6 Q. Could you spell the second doctor's name?
- 7 A. A-S-Z-O-D-I.
- 8 Q. And his first name?
- 9 A. First name, A-M-I.
- 10 Q. This is something that's in the works right now?
- 11 A. Yes.
- 12 Well, it's actually been formed, but we're not
13 really doing much with it yet. We're in the
14 process of setting up a corporation.
- 15 Q. Do you have a professional name for that
16 corporation?
- 17 A. Northern Ohio Surgical Associates, Inc.
- 18 Q. And are the four of you in an office together at
19 one Mt. Sinai Drive now?
- 20 A. We all have an office there, and most of us have
21 offices at different locales, as well, through the
22 eastern suburbs.
- 23 Q. Where, in addition to one Mt. Sinai Drive, do you
24 have an office?
- 25 A. 26900 Cedar Road, Suite 18 north.

1 Those other physicians see patients at that
2 address, maybe not in that suite, and Dr. Perse
3 also has an office in Willoughby. But I'm not sure
4 of that address.

5 Q. Besides the office on Cedar Road which you've just
6 described and your office at one Mt. Sinai Drive,
7 do you have any other offices?

8 A. I currently see patients in no other places.

9 Q. When you were seeing Regina, where did you see
10 her other than when she was confined to the
11 hospital?

12 A. Either at one Mt. Sinai Drive or -- I think I never
13 saw her out at Beachwood. Probably at my office
14 just at the hospital.

15 (Thereupon, Plaintiff's Exhibit 1 was marked for
16 identification.)

17 BY MR. MISHKIND:

18 Q. Before the deposition began, you were kind enough
19 to provide me with a four-page document that has
20 curriculum vitae of David Baringer across the
21 top. You have a copy of it in front of you, as
22 well.

23 Is this current and updated with regard to all
24 of your professional endeavors?

25 A. Yes.

1 Q. Were you successful, Doctor, in becoming board
2 certified by the American Board of Surgery back in
3 1984 on your first attempt?

4 A. Yes.

5 Q. And what about recertification?

6 A. First attempt.

7 Q. What's involved in becoming recertified?

8 A. The recertification examination is strictly a
9 written examination of two to four hours. I
10 honestly forget how long it was. But there is no
11 oral examination for recertification as there is
12 for certification.

13 Q. Do you have an area of specialty within surgery?

14 A. I'm a general surgeon and do generally all aspects
15 of general surgery.

16 Q. Do you find yourself doing more of a particular
17 type of surgery than others just by circumstance?

18 A. Not necessarily. I do breast surgery, trauma
19 surgery, thyroid, parathyroid surgery, biliary
20 tract and abdominal and colorectal surgery.

21 Q. Are you still the director of the trauma
22 service?

23 A. No.

24 Q. You used to be the director?

25 A. I used to be the director, and then we actually --

1 maybe my CV doesn't reflect that. Then I
2 essentially became co-director with Dr. Marks.

3 Q. When did that take place?

4 A. Oh, probably by attrition within the last year or
5 year and a half.

6 We brought on a young surgeon, and generally
7 the younger surgeons will do a lot more of the
8 trauma work.

9 Q. While you were seeing Regina, am I fair in
10 concluding that you were a solo practitioner at
11 that point?

12 A. Yes.

13 Q. So that all of the outpatient care at the very
14 least was your ~~dog?~~ doing

15 In other words, when she would come to the
16 office, she would only see you or perhaps a nurse
17 in your office?

18 A. Absolutely.

19 Q. Okay.

20 None of the doctors that we've talked about
21 were in any way involved in her care from February
22 of '94 through August or so of '951

23 A. No, other than the fact that I did ask Dr. Aszodi
24 to give me a hand on a couple of the operations
25 that we performed on Ms. Rushdan.

- 1 Q. At the hospital?
- 2 A. At the hospital as a professional courtesy.
- 3 Q. Okay.
- 4 At your office, though, he wasn't involved in
5 seeing her in follow up, was he?
- 6 A. Correct.
- 7 Q. Do you do all of your surgeries currently at Mt.
8 Sinai?
- 9 A. Also at the Integrated Medical Campus on Cedar Road
10 and now at Richmond Heights, also.
- 11 Q. When did you start doing surgeries at Richmond
12 Heights?
- 13 A. Last six months or so.
- 14 Q. The type of surgeries that you do at the integrated
15 campus, are they outpatient procedures?
- 16 A. Yes.
- 17 Q. All of your in-patient and some of your outpatient
18 are done at Mt. Sinai?
- 19 A. Uh-huh, yes, as well as a little bit at Richmond
20 Heights now.
- 21 Q. Prior to six months ago, though, you were either
22 doing -- all of your in-patient work would have
23 been at Mt. Sinai Hospital?
- 24 A. Correct.
- 25 Q. Do you do any teaching currently?

1 A. Yes.

2 Q. You're presently an assistant professor of
3 surgery?

4 A. Yes.

5 Q. Is that a general surgical course, or is that a
6 specific area within surgery that you're
7 teaching?

8 A. That is a general surgery course through the Case
9 Western School of Medicine and Department of
10 Surgery.

11 Q. You're licensed in the State of Ohio, and it looks
12 like you've been **so** since **1983**?

13 A. Correct.

14 Q. And have maintained your license continuously, I
15 presume?

16 A. I have.

17 Q. You've never had your license suspended or revoked,
18 have you?

19 A. No.

20 Q. Ever had any hospital privileges at Mt. Sinai,
21 Richmond, or any other hospital suspended, revoked,
22 or brought into question?

23 A. No.

24 Q. Have you ever practiced outside of the State of
25 Ohio?

1 A. No, except for a three-month fellowship in 1980
2 in Massachusetts for which I did obtain a
3 temporary Massachusetts license. I was in
4 intensive care.

5 Q. In looking at your CV, starting with the
6 publications, do any of the publications that you
7 have authored have any relevance to what I would
8 describe the issues surrounding the diagnosis and
9 repair of colorectal injuries?

10 A. No.

11 Q. This laparoscopic repair of diaphragmatic
12 laceration, does that talk at all about iatrogenic
13 injuries to the colon or anything that would have
14 anything of relevance to Regina Rushdan's case?

15 A. No.

16 That has to do with gun shot wounds and stab
17 wounds to the diaphragm in trauma situations.

18 Q. Okay.

19 Tell me about the research activities that
20 you're involved in in the colorectal trauma
21 area.

22 A. Basically those are continuing to follow through
23 *our* trauma registry the way we handle colorectal
24 trauma at our institution and have handled it in
25 the past.

1 I've presented a -- actually one of the
2 residents presented a paper on colorectal trauma
3 back in 1983 or '84, I believe, at the Cleveland
4 Surgical Society where we talked about colorectal
5 trauma. And essentially using a trauma registry,
6 we occasionally will go back over and review our
7 data. But we've not published any papers on that
8 data.

9 Q. In preparation for the deposition today, did you
10 review any of the abstracts, presentations, or any
11 of your publications?

12 A. No.

13 Q. You have been named in the past as a Defendant in a
14 medical malpractice case, correct?

15 A. I have.

16 Q. Excluding Regina Rushdan's case, on how many other
17 occasions have you been named?

18 MR. HUPP: Continuing
19 objection to relevance. Go ahead.

20 MR. MISHKIND: That's fine.

21 A. Six or seven, I believe.

22 Q. Are any of those cases still pending?

23 A. One.

24 Q. What's the subject matter of that case?

25 A. Inguinal hernia repair and nerve entrapment

1 syndrome.

2 Q. What's the name of the Plaintiff in that case?

3 A. Denise Nohra, N-O-H-R-A.

4 Q. Has your deposition been taken in that case?

5 A. Yes.

6 Q. Is Mr. Hupp your attorney in that case?

7 A. Yes.

8 Q. Do you recall the name of the attorney offhand?

9 A. No.

10 Q. Okay, that's all right.

11 Plaintiff's lawyers names are easy to forget.

12 A. I won't comment.

13 Q. Okay.

14 A. LaPore.

15 MR. HUPP: Dapore?

16 BY MR. MISHKIND:

17 Q. Dapore, okay.

18 A. Dapore.

19 Q. The other cases that you have been named in as a

20 Defendant, two of those cases involved

21 complications associated with sponges being left

22 in?

23 A. That is correct.

24 Q. And how did those cases resolve? Did they --

25 A. They were settled without trial.

1 Q. What type of surgery was done that precipitated the
2 sponge being left in?

3 A. One of them was an open colicystectomy. The other
4 was a bowel resection for Crohn's disease.

5 Q. What was the subject matter of the other cases that
6 you've been named as a Defendant in, as best as you
7 can recall?

8 A. One of them was a neurological complication after
9 gastric stapling procedure for morbid obesity
10 necessitating eventual re-operation. And the last
11 -- the other one was a pelvic abscess that
12 developed after laparoscopic lysis of adhesions.

13 Q. Was that the Weiland case?

14 A. Correct, W-E-I-L-A-N-D.

15 Q. The neurological complication case, what was the
16 outcome of that?

17 A. That was settled out of court.

18 Q. Was there also a case involving postoperative
19 nutritional problems?

20 A. That was the same case.

21 Q. That's the neurological complication?

22 A. Correct.

23 Q. Any other cases where you've been named as a
24 Defendant?

25 A. Several others.

1 One of them, a fistula that formed to the skin
2 from a ruptured gastric stapling procedure and a
3 postoperative -- what was that case -- a
4 postoperative possible bowel perforation after a
5 catheter had been placed by vascular surgery.

6 And there was a question whether or not there
7 had been a rupture of bowel or the vena cava. And
8 both of those cases have been dropped.

9 Q. The leak following the gastric staple procedure was
10 a case that was dropped?

11 A. Yes.

12 Q. How long ago was that case, do you recall?

13 A. Four or five years.

14 Q. I take it in all of those cases that you've just
15 described for me, your deposition has been taken?

16 A. Yes -- it was not taken in one of the sponge cases
17 with the gallbladder.

18 Q. Are you currently scheduled to testify either in a
19 case as a Defendant or as a witness in any other
20 cases?

21 A. No, except, I believe, a trial date has been set
22 for the hernia case.

23 Q. You have served on occasion as an expert witness in
24 medical malpractice cases, am I correct?

25 A. Yes.

1 Q. Are you currently serving as an expert witness in a
2 medical malpractice case?

3 A. I am considering reviewing a case, and I have
4 served in the past on several cases which I've
5 generated medical reports, but which have not gone
6 to trial.

7 The only time I went to trial was to defend a
8 physician in a bowel perforation case during a
9 hernia procedure.

10 Q. Was that an out of state case?

11 A. No.

12 Q. That was here in Cuyahoga County?

13 A. It was in Trumbull County or Mahoning County. I
14 forget.

15 Q. Do you remember the name of the doctor that you
16 were testifying on behalf of?

17 A. I do not.

18 Q. Was that a PIE case?

19 A. Yes.

20 Q. Do you remember the name of the patient?

21 A. I do not.

22 Q. You have in front of you a number of documents.
23 I've had a chance before the deposition began to
24 briefly review your original chart, and I would
25 only for purposes of housekeeping request, Mr.

1

1 Hupp, that a copy of what is best described as the
2 contents on the inside right --

3 MR. HUPP: The billing and
4 surgical schedules, it appears to be.

5 MR. MISHKIND: It starts with a
6 letter from my office dated --

7 MR. HUPP: 8-8.

8 MR. MISHKIND: -- 8-8
9 ninety --

10 MR. HUPP: Six.

11 MR. MISHKIND: -- six, and then
12 proceeds back.

13 MR. HUPP: Sure.

14 MR. MISHKIND: If you could run
15 off a copy of that. I think everything else
16 you've provided to me.

17 MR. HUPP: Fine.

18 BY MR. MISHKIND:

19 Q. You've had a chance to go through your office
20 records?

21 A. I have.

22 Q. You also have a stack of records to your left.

23 Are those part of the Mt. Sinai records?

24 A. Correct.

25 Q. What other hospitals are contained in there?

1 A. Just the Mt. Sinai records.

2 Q. Have you had a chance to review any of the
3 Cleveland Clinic records on Regina?

4 A. Only the correspondence I had had with the
5 Cleveland Clinic regarding some of her
6 postoperative events that were sent directly to me
7 by the physicians at the Cleveland Clinic.

8 Q. And, in fact, in reviewing your records, I see that
9 Dr. Strong sent you notes, and possibly one or more
10 additional surgeons sent you copies of notes as she
11 **was** going through the treatment at the Cleveland
12 Clinic?

13 A. Yes.

14 Q. It seems that the correspondence to you from the
15 Cleveland Clinic at some point in time stopped.

16 Does that -- is that your understanding, as
17 well? In other words, you're not still continuing
18 to receive correspondence from the Cleveland Clinic
19 on her treatment, are you?

20 A. Correct.

21 Q. Do you know -- if you know, tell me what caused
22 that cessation of communication.

23 A. I do not know.

24 Q. Okay.

25 Did you ever talk to Dr. Strong?

1 A. I did not.

2 Q. Have you had any correspondence with him other than
3 what's contained in your file, sir?

4 A. No.

5 Q. What about any of the other doctors at the
6 Cleveland Clinic? And the scope of my question,
7 just so you're clear, is, prior to the lawsuit,
8 while Regina was no longer your patient, but prior
9 to the filing of the case, did you have any
10 communication either in writing or verbally or in
11 person with any of the doctors?

12 A. I can't recall any.

13 Q. When we talk in specifics in a moment, I see there
14 came a time that you made a note about Victor Fazio
15 and a plan to have Victor Fazio participate in some
16 respect in Regina's care.

17 Can you tell me how that came about?

18 A. I had recommended to Regina that a referral to the
19 Cleveland Clinic and Dr. Fazio, who I've worked
20 with before on cases, might be a gentleman who
21 might be able to help us out with this difficult
22 problem. And I said I would speak to him.

23 And I do recall having a conversation with one
24 of his nurses or schedulers and was either told
25 that he would be, you know, willing to see Ms.

1 Rushdan or one of his associates would, and that
2 they, I believe, requested some records or charts
3 and a referral.

4 And that's the only conversations I had with
5 them, because I think we sent over some records,
6 and then she got an appointment over there.

7 Q. Have you had occasion to refer other patients over
8 to Dr. Fazio or any of his colleagues in the
9 past?

10 A. Yes.

11 Q. Was there something specific about Regina's
12 recuperation or the status of her condition that
13 prompted the Cleveland Clinic's involvement?

14 A. I had done as many -- I had made as many attempts
15 as possible to close this very difficult
16 colovaginal fistula and was not getting
17 satisfactory results. And I had dealt with him on
18 another difficult anal sphincter case, and I knew
19 that his reputation would be helpful in maybe
20 helping me get this lady taken care of.

21 Q. So the referral to the Cleveland Clinic was your
22 idea as opposed to Regina's idea?

23 A. Yes.

24 Q. Obviously you discussed it with her before the
25 mechanism was set up for that referral?

1 A. Yes.

2 Q. Do you have independent recollection of discussing
3 with Regina why it was that you wanted someone from
4 the Cleveland Clinic to see her? And when I say --
5 let me interrupt you. When I say independent
6 recollection, not necessarily line and verse what
7 was discussed, but do you recall the general nature
a of the conversation with her?

9 A. I do.

10 I think that the general nature of the
11 conversation is that she had a very difficult
12 clinical and surgical problem that I had attempted
13 to repair along with some of my associates. And we
14 had had significant problems with recurrences of
15 this fistula, and that I thought a second opinion
16 with possible follow-up surgery by another surgeon
17 might be helpful.

18 Q. What, if you recall, was Regina's reaction to your
19 suggesting that she be seen by physicians other
20 than you and your associates?

21 A. I perhaps even recall mentioning to her before I
22 attempted the final transrectal repair of the
23 fistula that I would be willing to and would not be
24 alarmed at her seeking a second opinion. And I do
25 that in any and all difficult cases that I am dealt

1 with.

2 And I don't recall that she had any difficulty
3 with the initial suggestion by me that she see
4 someone else, and I believe that she said, well,
5 you know, do what you think is best. And what I
6 thought was best was another attempt, because the
7 fistula seemed to be getting better. But when that
8 final attempt seemed to recur, I said, look, let's
9 go see someone else and get another opinion.

10 Q. I'm going to ask you sort of a global question.
11 And if you can respond, fine. If it's not fair,
12 let me know.

13 Through the time that you were treating her,
14 back to February of '94 through August of '95, how
15 would you describe your physician-patient
16 relationship with Regina?

17 A. I felt that I had an excellent physician-patient
18 relationship with Regina Rushdan, and I felt that
19 we were dealing with a very difficult clinical
20 surgical problem and that she was certainly having
21 a tough time of it, but that she seemed to feel
22 that she could call me at any time and get in touch
23 with me at any time.

24 And the fact that she continued to come back to
25 me after difficult operations made me believe that

1 we had an excellent physician-patient
2 relationship.

3 Q. After you set the wheels in motion for the referral
4 over to the Cleveland Clinic, my review of your
5 records would suggest that -- it would appear,
6 Doctor, that Regina did not return to your office
7 any further, is that correct?

8 A. That is correct.

9 Q. There's a note on September 6th, '95, someone spoke
10 to the patient.

11 Who is that someone?

12 A. My secretary, Sharon.

13 Q. Sharon, okay.

14 Were you made aware of the substance of that
15 conversation?

16 A. Only that, from my recollection, what I wrote down
17 was that the Cleveland Clinic was going to operate
18 on her.

19 Q. At the time that that conversation occurred, would
20 you likely have been told more substance in terms
21 of how she was doing, or would it just have been as
22 simple as that, that she was having surgery, and
23 that would have been the end of the
24 conversation?

25 A. Well, I didn't have the conversation with the

1 patient, so I can't comment on that at all.

2 Q. I understand that.

3 I'm saying, did Sharon provide you with any
4 greater insight other than what you've just noted,
5 that the Cleveland Clinic was going to operate,
6 such as how she was doing or what they told her the
7 problem was or anything along those lines?

8 A. Not that I recall.

9 Q. Okay.

10 On October 10, 1995, Sharon apparently sent out
11 a -- or left a message with --

12 A. I was aware at that time, if I'm not mistaken, that
13 Ms. Rushdan had undergone an operation at the
14 Cleveland Clinic, which that's why I sent her over
15 there, and that I was curious to see how she was
16 doing and to please get back in touch with me when
17 they release her from Cleveland Clinic.

18 Q. So this would have been a message on a voice mail
19 or with a third party receiving the message by
20 phone?

21 A. I would assume so.

22 Q. Would any type of a card have been sent out to her
23 requesting that she follow up with you?

24 A. Well, we do that with patients who need follow up
25 for breast exams and other problems. I don't know

1 whether a card was sent or not.

2 Q. Okay.

3 In looking at your file, I didn't -- and that
4 was one of the things I was looking for, to see
5 whether there was any type of follow-up notice
6 cards or anything of that nature that you keep.
7 And I didn't find any.

8 A. Yeah.

9 Q. I'm just wondering whether I missed it.

10 A. Not to my knowledge.

11 While you have the chart there, I did want to
12 ask you a question. There is -- in the file, there
13 is a letter from me dated August 8, **1996**, to you.
14 And it's marked hand delivered.

15 Do you know when that was received by your
16 office?

17 MR. HUPP: Well, there's
18 one other one before that.

19 MR. MISHKIND: I know. I'm
20 going to get to that next. I'm just going
21 from top to bottom.

22 A. I don't know.

23 Q. Okay.

24 There is a letter also from my office dated
25 July **25, 1996**. And then behind that is an envelope

1 with a post mark -- it looks like July 25, '96.

2 Do you know when that letter was received by
3 your office?

4 A. What was the date?

5 Q. It's post marked from the post office July 25, '96.
6 And the reason I ask is because there is then a
7 Xerox of an envelope with an actual -- it looks
8 like a return receipt card.

9 A. Uh-huh.

10 Well, that was to my Beachwood office, so I
11 might not have gotten it for a period of time.
12 Because I'm only out there once a week, and
13 sometimes only every other week.

14 Q. And the reason I ask you that, Doctor, is because
15 it appears as if the post office actually left a
16 certified envelope with the return card at your
17 office. Because what we're looking at here isn't
18 something that normally would be left with you.
19 This card is something that normally is returned to
20 the person that sent it.

21 A. Uh-huh.

22 Q. Do you still have this original envelope?

23 A. I don't believe so.

24 Q. Okay.

25 A. It's not in my chart.

1 MR. MISHKIND: Steve, do you
2 have the original envelope?

3 MR. HUPP: Whatever is in
4 there. The only thing I took out was my
5 letters to him, that's it.

6 MR. MISHKIND: Okay.

7 BY MR. MISHKIND:

8 Q. Now, this was sent to your Beachwood office, and is
9 it your testimony you have no knowledge as to when
10 that was received at your Beachwood office?

11 A. I have no direct knowledge of when that was
12 received in my Beachwood office.

13 Q. Would there be any type of a record in your office
14 as to the date that this was received?

15 A. There probably wouldn't be. Because if it's
16 received at the Beachwood office, I don't have a
17 secretary out there. I just receive mail there.

18 Q. Okay.

19 So this could have sat for a couple of days?

20 A. It could have sat for 7 to 10 days, maybe longer.

21 Q. Okay.

22 And I will tell you that the reason that you
23 have a second one there is because we never
24 received back the certified card indicating that
25 you had received the first one. And I now know why

1 we never received it back, because it was never
2 returned. And apparently -- and correct me if I'm
3 wrong, but this may have sat for several days or
4 longer at your Beachwood office before it came into
5 your possession?

6 A. That's correct.

7 Q. And you simply have no knowledge as to exactly when
8 or testimony or that time period you actually
9 received this letter?

10 A. That's fair to say.

11 Q. Do you remember getting a hand-delivered 180 day
12 letter from my office on August 8th?

13 A. That I remember.

14 Q. Okay.

15 And I actually have a receipt signed by someone
16 from your office acknowledging that it was
17 received.

18 Were you there on the day that it was delivered
19 to your office?

20 A. I don't recall, but I know I got it the day that it
21 was -- I'm also positive I got it the day that it
22 came.

23 Q. You've told me that you did not actually talk with
24 Dr. Fazio, but you had correspondence with Dr.
25 Strong and with one or more of the other doctors as

1 Regina was being treated.

2 Aside from those doctors that corresponded with
3 you, have you talked with any surgeons either
4 affiliated with the Cleveland Clinic or affiliated
5 elsewhere with a view toward obtaining an opinion
6 as to whether the care that you provided Regina
7 complied with accepted standards of practice?

8 A. No.

9 Q. You use various surgical textbooks for review,
10 study, and teaching purposes, correct?

11 MR. HUPP: Objection.

12 A. Correct.

13 Q. And Schwartz' textbooks of surgery is one of those
14 texts that you use for teaching and review
15 purposes?

16 MR. HUPP: Objection.

17 A. I've used that textbook, yes.

18 Q. And also Corman's textbook on surgery?

19 MR. HUPP: Objection.

20 A. I've seen that.

21 Q. That's another book that you refer to on surgery
22 issues?

23 A. I have in the past.

24 Q. And do you still currently refer to Corman's
25 textbook when you have issues that you want

1 addressed on surgical matters?

2 A. I will use several different textbooks as well as
3 Corman's.

4 Q. What other textbooks besides Corman's and Schwartz'
5 do you use for reference purposes?

6 MR. HUPP: Objection.

7 A. Rutherford's, Cameron's.

8 Q. And do you consider Rutherford's, Cameron's,
9 Schwartz' and Corman's texts as good references in
10 the area of colorectal surgery?

11 MR. HUPP: Objection.

12 A. Yes.

13 Q. There's also a book -- is it Celinger or Celinger,
14 C-E-L-I-N-G-E-R surgical text, are you familiar
15 with that?

16 A. No.

17 Q. Did we agree that you consider Schwartz', Corman's,
18 Rutherford's texts as authoritative texts in the
19 field of surgery?

20 A. Those and any other number of texts as well as the
21 literature covering these kinds of problems are all
22 probably considered authoritative.

23 Q. You subscribe to various journals, correct?

24 A. Yes.

25 Q. Which ones do you subscribe to that would have the

1 most reliable information dealing with colorectal
2 surgery?

3 A. Probably the Annals of Surgery and SG&O, Surgical
4 Clinics of North America.

5 Q. Specifically with regard to this deposition, have
6 you reviewed any medical literature?

7 A. I have not.

8 Q. Specifically with regard to Regina Rushdan's case
9 and the difficulty that was encountered in the case
10 -- I'm just using that as a generic statement not
11 passing judgment on what that difficulty was
12 attributable to -- but did you refer to any medical
13 literature at all during the course of your
14 treatment of Regina?

15 A. Oh, I can't honestly recall, but I certainly don't
16 recall anything specific that I read.

17 Q. It's conceivable that you did to try to get some
18 information, but nothing that comes to mind right
19 now?

20 A. Absolutely.

21 Q. Okay.

22 Did you talk with anyone to try to get some
23 input as to why she was having the difficulty that
24 she was having as you were involved in her
25 treatment?

1 A. Yes.

2 Q. Who were you talking with?

3 A. Well, we probably presented her at several surgical
4 morbidity and mortality conferences as a
5 complication.

6 MR. HUPP: Objection, move
7 to strike.

8 A. And I spoke with Dr. Ami Aszodi, a senior
9 colleague on our staff, who offered some insight
10 and help.

11 Q. Whereabouts in the time period would you have had
12 the discussion with Dr. Aszodi?

13 A. Well, I believe I had him come into the operating
14 room to help me with the operation that we
15 performed in October of 1994. And I am sure that
16 he helped with one of the mucosal flap advancement
17 procedures that we tried in an attempt to get this
18 closed.

19 Q. Did you ever ask Dr. Aszodi or any of your other
20 colleagues for an opinion on the cause of the
21 rectal vaginal fistula?

22 A. For an opinion as to the cause?

23 Q. Yes.

24 A. I don't think so.

25 Q. Now, you said that this case was presented at

1 surgical M&M meetings, is that correct?

2 MR. HUPP: Objection.

3 A. Well, I said that it may have been and perhaps
4 probably was discussed at those courses -- at one
5 of those meetings.

6 Q. Okay.

7 A. Because we discuss most or all of the difficult
8 cases at those kinds of meetings.

9 Q. Before I start talking about the specific surgeries
10 and how you got involved back in February, I want
11 to understand whether there's anything else that
12 you've reviewed prior to the deposition other than
13 what you have in front of you by way of your office
14 records, Mt. Sinai, and minimal correspondence from
15 the Cleveland Clinic.

16 A. There has been nothing else.

17 Q. In reviewing the interrogatory answers that you
18 were kind enough to provide to me through your
19 attorney, there was one answer -- I'm sure it
20 was an oversight -- that was left unanswered. I
21 just wanted to see if maybe we could do it
22 by --

23 MR. HUPP: That's fine. I
24 just didn't -- I didn't bring a copy of
25 those with me. Let's see.

1 BY MR. MISHKIND:

2 Q. I'll show you, and I'll just identify it for the
3 record, that that's interrogatory 30. I won't read
4 the interrogatory into the record, but I'll just
5 let you and your your attorney take a look at that
6 interrogatory.

7 A. This one here (Indicating)?

8 MR. HUPP: No, this one.

9 MR. MISHKIND: The one that's
10 highlighted, number 30.

11 MR. HUPP: I'm going to
12 object, and I think that calls for a
13 legal conclusion. And considering we
14 haven't taken your client's depo, I don't
15 know if we can answer that. But if you
16 want to rephrase it and ask him if he thinks
17 she did in any respect, I'll let him answer
18 that.

19 Q. Sure, I'll make it real simple.

20 Is there anything, in your opinion, Regina
21 Rushdan did that caused or contributed to the
22 complications and the difficulties that were
23 encountered during your treatment?

24 A. I think that it's possible that having intercourse
25 could have added to the problems that we were

1 having, yes.

2 Q. Now, you used the term it's possible that
3 intercourse could have added to it.

4 Can you state to a reasonable degree of medical
5 probability that her having intercourse on whatever
6 occasions you have noted in the record did, in
7 fact, cause or contribute to the difficulties that
8 you had? And if so, then I'm going to ask you a
9 series of questions about that.

10 MR. HUPP: Cause or
11 contribute to cause, right?

12 A. I think it could contribute to cause. I think it
13 could -- it contributed -- it may have contributed
14 to the problems we were having with getting that
15 fistula closed. I don't know that I or anyone can
16 say that it was with certainty.

17 Q. Just so I understand what you're saying, it may or
18 it could or it possibly did, but you can't say here
19 under oath and say to a reasonable degree of
20 medical certainty that it did cause or contribute
21 to the problems that she had, is that an accurate
22 statement?

23 A. If you'd restate it completely?

24 Q. Sure.

25 I've heard you say that it may, it could, it

1 possibly did affect the healing of that fistula.

2 And I'm asking you, can you state under oath to a
3 reasonable degree of medical certainty that it did
4 affect the healing of that fistula?

5 A. I certainly thought at the time.

6 Q. And do you still as you're sitting here now feel
7 that it affected the healing?

8 A. From what I know about how difficult this fistula
9 was to close, it's possible.

10 Q. Can you state to any greater degree of certainty
11 other than it's possible?

12 MR. HUPP: Well,
13 objection.

14 A. Not -- no.

15 Q. Okay.

16 Other than what you've said about intercourse,
17 is there anything else that you feel that Regina,
18 as a patient, did or failed to do that is a factor
19 in the outcome?

20 A. No.

21 Q. Dr. Rubinstein -- or is it Rubinstein?

22 A. Rubinstein.

23 Q. Rubinstein.

24 It's still going to be spelled the same way, so
25 -- Dr. Rubinstein brought you into this case back

1 in February of '94, correct?

2 A. That is correct.

3 Q. Do you have any type of a professional relationship
4 with Dr. Rubinstein currently?

5 A. No.

6 Q. Is Dr. Rubinstein still in practice?

7 A. Yes.

8 Q. Did you have occasion on a regular basis to
9 participate in cases that Dr. Rubinstein was
10 involved in back in '94 and '95?

11 A. Not a regular basis.

12 Q. But obviously more frequently than you do now,
13 considering you said -- your answer is no as to
14 now?

15 A. Well, what kind of a professional relationship?
16 I'm sorry. I mean, you know, we're colleagues, and
17 we consult each other, yeah, certainly.

18 Q. What I'm saying is, Dr. Rubinstein called you in
19 because there was a complication at the time of his
20 total abdominal hysterectomy?

21 A. Correct.

22 He's done that before, and he's done it
23 recently, and he'll probably do it in the future.
24 So we have a professional relationship. I'm sorry,
25 I misunderstood the question.

1 Q. That's all right.

2 Again, I wanted -- and that's why I said at the
3 very beginning, if I ask you anything that's
4 confusing --

5 A. Okay.

6 Q. Every once in a while I'll do that. Once during a
7 deposition. Steve limits me to one confusing
8 question.

9 So you still have occasion to consult and
10 perhaps to participate in difficult cases that Dr.
11 Rubinstein may look to you for?

12 A. Yes.

13 Q. Okay.

14 Now, I want to ask you just some straight
15 questions relative to the surgery back in February
16 of 1994. And the first question I have relative to
17 that surgery is whether you've reviewed the
18 operative report for that surgery.

19 A. Yes.

20 Q. And we know that we've got the total abdominal
21 hysterectomy, and then we have your surgery
22 involving the Hartman procedure?

23 A. Correct.

24 Q. Do you have any criticism, Doctor, at all of Dr.
25 Rubinstein in terms of his surgery relative to the

1 total abdominal hysterectomy?

2 A. No.

3 Q. Do you have any criticism of Dr. Rubinstein in
4 terms of his preparation of Regina for her
5 abdominal hysterectomy?

6 A. I don't believe that she had a bowel prep for that
7 procedure. I don't recall. Whether or not I
8 remember -- I don't recall whether he did a bowel
9 prep or not, but my feeling was at the time of
10 surgery that it certainly hadn't been adequate.

11 Q. I'll tell you that, at least based upon what I see
12 in the records, Dr. Rubinstein did not do any
13 pre-operative bowel preparation before her total
14 abdominal hysterectomy.

15 What are the indications for pre-operative
16 bowel preparation?

17 A. I believe that any time a potentially difficult
18 pelvic procedure is done, whether or not it's
19 involving the colon, small bowel, or gynecologic
20 organs, that a bowel prep ought to be done.

21 Q. Is it your opinion that Regina should have had
22 pre-operative bowel prep in view of her
23 pre-operative history of marked pelvic adhesions?

24 A. Yes.

25 Q. Was Dr. Rubinstein's decision, for whatever reason,

1 not to do pre-operative bowel preparation, below
2 the standard of care?

3 MR. HUPP: Objection.

4 A. I don't feel that I'm able to answer that, because
5 the gynecologists view this a lot different than I
6 do. And I'm not sure what the gynecological
7 standard of care is.

8 Q. Can you state to any degree -- so basically you
9 have no opinion on that, correct?

10 A. Correct.

11 Q. Can you state to any degree of medical certainty as
12 to whether pre-operative bowel prep would have
13 altered the outcome in this case?

14 A. It might have altered what I did surgically at the
15 time that we were consulted in February.

16 Q. Can you state that to a reasonable degree of
17 medical certainty, that it would have altered what
18 you did, or --

19 A. No.

20 Q. So it's might, possible, could have, but you can't
21 say to any degree of certainty whether it would
22 have?

23 A. Well, the pelvis was very inflamed, and there was a
24 lot of adhesions and a lot of scarring. And it was
25 a difficult dissection even at that time. And I'm

1 not sure that I would have done any different
2 procedure at the February date if indeed her bowels
3 had even been prepped.

4 Q. Okay.

5 So that, had Dr. Rubinstein done pre-operative
6 bowel prep, more likely than not, you would have
7 done the Hartman procedure, assuming there was some
8 injury to the colon at the time of his total
9 abdominal hysterectomy?

10 'A. It was -- the colon was really inflamed. The
11 injury was pretty significant. There was a lot of
12 spillage of bowel contents. And even if the bowel
13 contents had -- even if there had been a bowel
14 prep, I'm not sure I would have gone ahead and done
15 any kind of primary repair at that time. Because I
16 did not like the feeling of and the texture of the
17 bowel at that time. It was an inflamed bowel as
18 well **as** inflamed pelvic organs.

19 Q. But obviously inflamed or not, you wouldn't have
20 been called in to participate in the case had there
21 not been some type of a complication during Dr.
22 Rubinstein's total abdominal hysterectomy?

23 A. Correct.

24 Q. Okay.

25 Would the complication that Dr. Rubinstein

1 experienced more likely than not have been avoided
2 had he done pre-operative bowel prep?

3 A. No.

4 The complication that he encountered was not at
5 all related to the bowel prep. It was related to
6 the degree of adhesions between the colon and the
7 uterus and the tubes and ovaries.

8 Q. So the bowel prep wouldn't have minimized or
9 negated the likelihood of his complication, nor
10 would it have altered the surgical technique that
11 you used once you came into the case?

12 A. Not -- faced with what I found when I came into the
13 case, I agree with what you said.

14 Q. Okay.

15 Is damage to the bowel a common or uncommon
16 occurrence in total abdominal hysterectomies?

17 A. It's uncommon.

18 Q. Can you give me any type of a statistic as to the
19 frequency of small bowel injuries at the time of a
20 total abdominal hysterectomy?

21 A. I would be guessing if I did.

22 Q. Can you give me --

23 A. Three to five percent, maybe, probably less.

24 Q. Obviously she did suffer a small bowel injury at
25 the time of her total abdominal hysterectomy,

1 there's no doubt about that.

2 MR. HUPP: Objection.

3 A. She had colon injury, not small bowel injury.

4 MR. HUPP: Right.

5 BY MR. MISHKIND:

6 Q. Referring to your operative report, Doctor, dated
7 February 1, '94 --

8 A. Uh-huh.

9 Q. What I'm going to do is just -- you can use your
10 copy, but I'm going to hand you a copy. It's got
11 some highlighting on it that will direct you to
12 where I'm referring to in the description of the
13 procedure.

14 A. Yes.

15 Q. And your copy is better than mine. Mine is sort of
16 running off the copy machine. But I think you can
17 still see where I'm talking about. We helped --
18 looks like mobilize the colon -- and found a small
19 hole in the colon.

20 Do you see that?

21 A. Yes.

22 Q. Which we expected because of the adherence to the
23 uterus.

24 Was this, in fact, a small bowel injury or a
25 small hole to the colon, as you've described it

1 there?

2 A. Well, if I recall, the injury was probably several
3 centimeters, at least, in length, and perhaps
4 longer. And when you have spillage of colon
5 contents, I don't know that the size of the hole is
6 as important as where it is and what kind of
7 spillage you have.

8 Q. Let me ask you this so that you can respond and
9 explain in whatever manner that you want to,
10 because obviously the purpose of my deposition is
11 to find out why you did certain things, no mystery
12 to that.

13 With regard to your surgery on February 1,
14 **1994**, would you tell me why you didn't close that
15 injury in layers perpendicular to the long axis of
16 the bowel?

17 A. Yes, because there was a lot of inflammation of the
18 bowel, and there was a spillage of intestinal
19 contents. And the dissection of the remainder of
20 the hysterectomy caused further segment of the
21 bowel to be dissected off.

22 And I was not pleased with the look of the gut
23 at that point. And I did not think a simple
24 closure at that time was the appropriate
25 operation.

1 Q. Did you consider using a primary closure prior to
2 making the decision to do the Hartman procedure?

3 A. If I considered it, I pretty much put it out of my
4 mind very quickly, because I just did not like the
5 looks of the entire pelvis because of the degree of
6 inflammation and adhesions that were there at the
7 time.

8 Q. You're called in on an emergency basis to
9 participate at this point, correct?

10 A. Correct.

11 Q. So you're not in a position to be discussing the
12 risks and benefits of procedures and the
13 alternatives with Regina Rushdan, were you?

14 A. Correct.

15 Q. So as far as the decision on what procedure to do
16 and whether to do a colostomy or to do a primary
17 closure, that was Dr. Baringer's decision alone?

18 A. Yes.

19 Q. Did you have any input from Dr. Rubinstein or from
20 any other assistants before proceeding to do the
21 Hartman?

22 A. No.

23 Q. What other factors influenced your decision, if
24 any, concerning the 'type of surgical procedure that
25 you used in attempting to treat the injury to her

1 colon?

2 A. I think we've gone over most of them, the degree of
3 inflammation and adherence of the sigmoid colon to
4 the uterus and the pelvic structures, the fact that
5 there was spillage and unprepped bowel, and the
6 fact that the segment -- the short segment of
7 sigmoid colon was very inflamed looking.

8 And I can't honestly say whether that was from
9 the primary process or from the dissection for the
10 hysterectomy. But it was not bowel that looked
11 like -- there was not bowel that I would have done
12 any primary closure on. Indeed the bowel looked
13 bad enough for me that I took a small section of it
14 out.

15 Q. Would you agree that, if you can repair a colon
16 through a primary repair that, from the standpoint
17 of the patient's morbidity and recovery, it's
18 preferable to do that than to do a colostomy?

19 A. Yes.

20 Q. And that a colostomy should only be done if other
21 less radical measures are not adequate?

22 A. In my opinion, a colostomy should be done in any
23 situation where you're not satisfied or where
24 you're not satisfied that the primary repair or any
25 kind of an anastomosis will heal satisfactorily.

1 Q. When you do a primary repair, how do you normally
2 secure the area of injury?

3 A. You dissect the bowel out from any surrounding
4 structures as much as you can to free it up so that
5 you have excellent tissue to work with and that you
6 have enough bowel length so that any kind of a
7 repair primarily or an anastomosis is not under
8 tension and that the blood supply looks adequate to
9 allow for healing.

10 And indeed in these kinds of situations, you
11 may be able to do that and do the repair, but you
12 still elect not to because of the spillage of
13 intestinal contents or other inflammatory changes
14 in the pelvis that you think would increase the
15 risk of developing a leak, a fistula, or an abscess
16 to develop post-operatively.

17 Q. You could have done a primary repair, but you were
18 concerned about subsequent infection and subsequent
19 fistula formation?

20 A. In this situation, I think a primary repair would
21 have probably been difficult. The only way that I
22 think you could have done it differently would have
23 been to do a resection of bowel and a complete
24 total anastomosis at that time. And that I elected
25 absolutely not to do because of all the reasons we

1 mentioned above.

2 Q. In reviewing the operative note and reviewing the
3 course of events that occurred at the time of the
4 hysterectomy, are you satisfied in your mind that
5 your surgical decision to proceed with the Hartman
6 colectomy -- the sigmoid colectomy with the Hartman
7 procedure was within accepted standards of
8 practice?

9 A. Yes.

10 Q. I wanted to get a couple terms defined, just so I'm
11 clear. I've seen colectomy. I've seen colostomy.
12 What's the difference?

13 A. A colectomy is a removal of a portion of the colon.
14 It may or may not involve a colostomy.

15 A colostomy is the creation of a segment of
16 bowel being brought up to the abdominal wall and
17 through the abdominal wall so that the intestinal
18 contents will empty into a bag as opposed to go
19 through the entire system.

20 Q. And the bag and the collection, that's the Hartman
21 procedure?

22 A. No.

23 The Hartman pouch or procedure is the removal
24 of a segment of sigmoid colon, overstapling the
25 rectum, and leaving the rectum as a literal pouch

1 that will drain a little bit of mucous, but it's
2 not in continuity with the entire colon.

3 The colostomy being brought out on the
4 abdominal wall is the end of the bowel wall
5 literally sewn through the abdominal musculature
6 onto the skin of the abdomen where a bag is placed
7 in order to collect stool, fecal contents.

8 Q. There is the use of the term by you on several
9 occasions, and most particularly on May 31, '94, of
10 the term iatrogenic rectal injury.

11 Would you define for me what you mean by
12 iatrogenic?

13 A. That an injury occurred to the rectum during an
14 operative procedure.

15 Q. Can we agree that, when one refers to an iatrogenic
16 injury, that that implies that the affects could
17 have been avoided by proper and judicious care on
18 the part of the surgeon?

19 MR. HUPP: Objection.

20 A. No, I can't agree with that.

21 Q. You disagree with that statement?

22 A. There are iatro --

23 MR. HUPP: There's really
24 no question pending.

25 MR. MISHKIND: There was.

1 MR. HUPP: What's the
2 question?

3 MR. MISHKIND: I'm asking
4 whether he disagrees with that statement.

5 MR. HUPP: He says he
6 disagrees with it. Next question.

7 A. Why don't you repeat the question?

8 Q. You've defined iatrogenic -- let me *go* back
9 just so that I make sure we're on the same page. I
10 can either have the court reporter --

11 MR. MISHKIND: Why don't you
12 read back the doctor's definition of
13 iatrogenic?

14 (Thereupon, a short recess was taken.)

15 (Thereupon, the record was read.)

16 BY MR. MISHKIND:

17 Q. When you use the term iatrogenic to define an
18 injury that occurs during a procedure to the
19 rectum, does that term imply that that injury could
20 have been avoided by proper and judicious care on
21 the part of the surgeon?

22 MR. HUPP: Objection, asked
23 and answered.

24 A. I'm not sure that I can sit here and quote you the
25 definition of iatrogenic. In my mind, iatrogenic

1 means something that occurs during the course of an
2 operation that could be avoided.

3 But there are certainly instances, in my mind,
4 where, even with an excellent standard of care and
5 judicious procedures, that an injury could occur
6 based on loss of normal tissue planes and
7 difficulty being able to dissect out normal organs.

8 If iatrogenic means that it occurred during --
9 you know, that it occurred during the performance
10 of an operation, then this is an iatrogenic injury
11 that I can't necessarily comment on whether or not
12 it is avoidable or not or whether or not it's above
13 or below standard of care.

14 Q. Okay.

15 Do you have an opinion whether this iatrogenic
16 rectal injury was avoidable with proper and
17 judicious care on the part of the surgeon?

18 MR. HUPP: For the record,
19 we're saying Dr. Rubinstein's care?

20 A. We're talking about Dr. Rubinstein's procedure?

21 Q. Yes.

22 A. Based on what I saw in that pelvis, I'm not
23 surprised that a rectal injury occurred.

24 Q. So your opinion is that it was not avoidable with
25 proper and judicious care on the part of the

1 surgeon?

2 A. Yeah, yes.

3 Q. Okay.

4 Back in May of '94, did you hold any positions
5 within the department of surgery at Mt. Sinai?

6 A. In May of 1994 I was probably -- I was head of
7 the section of trauma, as defined by Mt. Sinai
8 Medical Center, and I was an assistant professor
9 of surgery with the Case Western School of
10 Medicine.

11 Q. Referencing the surgery back in February of '94 for
12 a moment -- I won't keep on going back and forth on
13 the procedures, but had you considered doing a
14 primary closure?

15 And I know you've explained to me the reasons
16 why you did not, but if you had done a primary
17 closure, would that have been a two-layer silk
18 closure with a metal patch over it?

19 Would that have essentially been the
20 mechanism?

21 A. With a metal patch?

22 Q. Yes.

23 A. I certainly don't use metal patches in those kinds
24 of repairs. I would have done a two-layer closure
25 with an inner layer of usually absorbable suture

i

1 and an outer layer of silk.

2 Q. Okay.

3 You would not have used a metal patch?

4 A. No.

5 Q. And doing that, from what you told me before, would
6 have had the disadvantage of increasing the
7 likelihood of fistula formation and infection?

8 A. Fistula, infections, abscesses, stenosis or
9 scarring down of the anastomosis or repair would be
10 the major things that I was concerned about
11 occurring if I would have done a primary repair.

12 Q. Somewhere in your records, or in the hospital
13 records, perhaps, I thought I saw some indication
14 that you were not planning on doing the reversal of
15 the colostomy as early as you ultimately did it.

16 I may be mistaken, but was May, '94, the
17 original period of time that you had envisioned the
18 reversal?

19 A. My standard of practice is generally to tell
20 patients after a difficult initial pelvic procedure
21 where a colostomy and a Hartman's pouch is formed,
22 that it will be anywhere between three and six
23 months before we do a closure.

24 Q. So in Regina's situation, it was at the early end
25 of that spectrum?

1 A. Yes.

2 Q. Okay, let's talk about the operation on May 31st,
3 '94.

4 My understanding of this EEA stapling device is
5 that it's like an anvil with a donut, a round donut
6 on the end.

7 Is that a fair description of how it appears?

8 A. Generally, yes.

9 The anvil, or the head of the stapler, is
10 essentially a receptacle in a donut shape, or a
11 round shape, to accept staples from the other end
12 of the EEA device.

13 Q. And the EEA stands for end-to-end anastomosis?

14 A. Correct.

15 Q. Is that a brand name, or is that just sort of a --

16 A. I believe it's a brand name. I can't recall
17 whether it's Ethicon or U.S. Surgical. The wars
18 between those companies are allegiant.

19 Suffice it to say that most surgeons describe
20 and use the term EEA when they're using a circular
21 stapler designed to create an anastomosis.

22 Q. Who chose the number 29 EEA stapling device?

23 A. I did.

24 Q. Did you have various devices to choose from?

25 A. Various sizes, but not various devices.

- 1 Q. How many various sizes did you have?
- 2 A. Three.
- 3 Q. Tell me --
- 4 A. Sure, a 27 -- I believe in this series it's a 27,
5 29, and 33 millimeter diameter.
- 6 Q. So that 29 represents millimeters?
- 7 A. Yes.
- 8 Q. How is it that you chose a 29 millimeter in
9 Regina's case?
- 10 A. Generally after we take down the colostomy, or any
11 bowel -- it does not have to be colon -- we have
12 metal sizers that look like large sounds that
13 correspond to the size of the stapling instruments
14 that we use, and you simply put the device into the
15 colon to see where it fits nicely or whether or not
16 it's too small or too large.
- 17 Q. Whose responsibility is it to maintain that
18 stapling device in good operating condition?
- 19 A. These are disposable instruments, so they are
20 essentially brought to the operating room in
21 packages fashion from the storage area and are
22 sterile at the time and are opened in the operating
23 room based on what size I ask for.
- 24 Q. And after it's used, then, is it disposed of?
- 25 A. Yes.

1 Q. Do you know whether the 29 millimeter EEA stapler
2 that was used in Regina's case, whether that was
3 disposed of?

4 A. I'm pretty sure it was. I certainly don't recall
5 asking for it to be saved.

6 Q. What had been your experience in using these three
7 different sized EEA staplers in the past before
8 Regina?

9 A. Like all surgeons, these instruments sometimes
10 don't fire the way you think they're going to fire,
11 don't fire at all, perhaps cut tissue too sharply
12 or not enough, and generally are capable of having
13 anything mechanical go wrong with them that can go
14 wrong with anything.

15 Q. Have you had, prior to Regina's case, an EEA
16 stapler misfire?

17 A. Yes.

18 Q. On how many occasions had you had an EEA stapler
19 misfire?

20 A. One or two.

21 Q. Did you have any complications secondary to the
22 misfiring?

23 A. Not that I recall.

24 Q. Are you aware of the frequency in the literature
25 that EEA staplers, or this type of an end-to-end

1 anastomosis stapler, misfire on average?

2 A. No.

3 Q. But from what you're describing, it doesn't sound
4 like it is a rare occurrence. It sounds more like
5 an infrequent, but not rare occurrence?

6 A. That's -- I'd agree with that statement.

7 Q. If it happens infrequently, but yet enough that
8 it's not considered to be an isolated or rare
9 occurrence, why continue to use this device in the
10 process?

11 A. Because it enables us to do anastomoses down in the
12 pelvis and lower in the pelvis than we were able to
13 do sometimes before these devices were brought
14 out. We're able to do lower anastomosis and
15 anastomoses in the pelvis that sometimes the rectum
16 itself is hard to dissect out of.

17 I think most surgeons would agree with that
18 statement. I think that these instruments function
19 very well in most instances and have enabled us to
20 do different kinds of procedures and procedures
21 lower in the pelvis than the surgeons in the past
22 were willing to do.

23 Q. Was there any degree of problems that Mt. Sinai was
24 having back in 1994 with this brand of EEA
25 staplers?

1 A. I really don't recall. I think we have switched
2 back and forth with regard to the kinds of staplers
3 we've used. I think most of it has generally been
4 based on surgeons' preference and availability.
5 Sometimes it's hard to get these things into your
6 hospitals. But I'm personally not aware of any
7 problems.

8 Q. In Regina's case, can you tell me what the cause of
9 the misfiring was?

10 A. I think at the time I didn't know what caused the
11 misfiring. And it wasn't a complete misfiring,
12 because we could see that there was an opening
13 where it didn't cut through the tissue, which was
14 my impression at that time, and complete the staple
15 anastomosis.

16 So that we were left with an area that was
17 still open. And upon removing the instrument from
18 the rectum, you could see a good bit of the area
19 stapled to the -- the tissue stapled together in a
20 donut fashion like you like to see, but that it
21 wasn't complete.

22 And we were readily able to identify that the
23 anastomosis wasn't completely circumferential. And
24 in doing so, I elected to make a little
25 ante-mesenteric cut on the anterior surface of the

1 proximal colon to give me a little bit more length
2 and then create a hand-sewn anastomosis sewing up
3 the defect in my stapled anastomosis.

4 Then we checked that to make sure that it
5 seemed to be completely circumferential and
6 everything was closed.

7 Q. So that when you use the term misfiring, it was
8 really just an incomplete --

9 A. I would say that incomplete firing would be better
10 terminology.

11 Q. So you were able to use the product of that firing,
12 but you then had to, by hand, finish the
13 anastomosis?

14 A. Fair statement.

15 Q. Okay.

16 And what happens from time to time, that you
17 have to complete the anastomosis by hand?

18 A. Yes.

19 Q. And has that happened to you in the past?

20 A. Yes.

21 Q. Have you seen circumstances where the misfiring of
22 an **EEA** stapler is caused by substandard surgical
23 technique?

24 A. Have I personally seen cases of that?

25 Q. Yes.

1 A. No.

2 Q. Are you aware from the literature, aware for
3 whatever reason, the skill of the surgeon or other
4 factors, that the misfiring of the EEA stapler is a
5 component of less than acceptable surgical
6 technique on the part of the surgeon as opposed to
7 just one of those things that happens?

8 A. I'm not sure that I know that from the literature.

9 Q. Do you know that from your practice?

10 A. Well, I can envision it, okay?

11 Q. Okay.

12 So certainly a misfiring, even though you may
13 not be able to cite me to any particular
14 literature, you can see how a surgeon could misuse
15 the EEA stapler and cause a true misfiring of the
16 stapler at the time of an attempted anastomosis?

17 A. I could envision that happening.

18 Q. Okay.

19 I take it in this case your opinion is that you
20 did not misuse the EEA stapler causing the
21 misfiring to occur, is that correct?

22 A. Well, I don't think that the -- you know, we
23 recognized that the instrument didn't perform the
24 way I wanted it to perform. And based on what I
25 saw and what we examined at that time, both in the

1 abdominal cavity and from below with a flexible
2 sigmoidoscope, it appeared that it just wasn't a
3 complete donut formation, which I have seen in the
4 past, and which I have dealt with in the past.

5 And that, once we repaired with hand-sewn
6 technique the remainder of that anastomosis,
7 checking around, it appeared to me that we had
8 satisfactorily dealt with the problem.

9 Q. Do you have an opinion as to why it didn't perform
10 the way that you had expected it to perform?

11 A. I formed that opinion later on, but not at the time
12 of the operation.

13 Q. What was the opinion that you formed?

14 A. That the rectovaginal septum had been caught in the
15 **EEA** stapler, and a portion of the vagina was in the
16 staple line of fire.

17 Q. Why was it in the line of fire?

18 A. Looking back at the operation that we later had to
19 do in order to try to fix this, it was -- and at
20 the time of that operation, even, there was a lot
21 of dense adhesions in the abdominal pelvic cavity,
22 and that it was difficult to dissect the rectum
23 from the vagina even at that time.

24 Those two organs were really tightly adherent.
25 And passing the **EEA** gun through the rectum, and

1 then advancing the receptacle through the rectum,
2 and then hooking up the colon, anvil side, to the
3 receptacle, that as you screw that down, the mucosa
4 of the rectum and vagina were so closely adherent
5 that some vaginal mucosa got caught in there.

6 Q. You as a surgeon must exercise care in advancing
7 the EEA gun into that area so as to minimize the
8 potential for injury to the mucosa and to --

9 A. Any surrounding organs.

10 Q. Okay.

11 And that's your responsibility, correct?

12 A. Correct.

13 Q. And can you tell me why, in this case, you weren't
14 able to avoid causing some type of injury?

15 A. It's my opinion that those two -- that the rectum
16 and vagina were so tightly adherent from adhesions
17 from the previous surgeries and from whatever
18 pathological processes she had had even in the
19 past, that it was difficult to tell those tissues
20 apart, that I was obviously through the rectum with
21 the staple gun, and that I was quite satisfied at
22 the termination of the procedure -- not at the
23 termination of the firing of the gun, but at the
24 termination of the procedure, that we had connected
25 colon to rectum as we had planned.

1 Q. You apparently didn't appreciate the fact that
2 there had been an incidental injury to the
3 mucosa --

4 A. Of the vagina at that point, correct.

5 Q. -- of the vagina, okay.

6 Certainly if you had the ability to perceive
7 that, can we agree that that's something that you
8 should have attempted to avoid?

9 MR. HUPP: Objection.

10 A. You're always trying to avoid injuries to
11 surrounding structures whenever you do surgery and
12 whenever you do anastomoses. So I would
13 absolutely attempt to avoid any kinds of problems
14 like that.

15 Q. And I suppose that sort of was an obvious question
16 with an obvious answer, but let's assume that the
17 injury occurs.

18 Do you also agree with me that you have a duty
19 to promptly recognize and to treat any incidental
20 injuries that may occur that are taking place
21 before the patient leaves the operating room?

22 MR. HUPP: Objection.

23 A. One should try to satisfy oneself that the
24 procedure went as planned. And if it doesn't go as
25 planned, satisfy yourself why it didn't go as

1 planned.

2 And in this case, it was my opinion at the
3 termination of the procedure that we had done an
4 appropriate anastomosis between the colon and the
5 rectum. We checked it out with a flexible
6 sigmoidoscopic exam. We blew in air, and we had a
7 widely patent anastomosis with no obvious injury to
8 any other structures.

9 Q. And all of these things in terms of making sure
10 that you do not have any air or fluid escaping,
11 that's your responsibility as the surgeon,
12 correct?

13 A. Yes.

14 Q. And can we agree that, if you fail to make sure
15 that the area isn't -- if you fail to make sure
16 that there is no leakage of air or fluid, that
17 would be a violation of the standard of care?

18 MR. HUPP: Objection.

19 A. If there is -- you better state it again.

20 Q. Want me to rephrase it?

21 A. Yes.

22 Q. I can sort of tell the way you were looking -- and
23 after I said it, I was wondering whether it was
24 clear.

25 If there is a leak of air or fluid, and you do

1 not recognize it and correct it, that's a violation
2 of the standard of care, correct?

3 MR. HUPP: Objection.

4 A. I would say that, if you recognize a leak of air or
5 fluid, you should satisfy yourself why that occurs.
6 And if you don't satisfy yourself why that's
7 occurring, that might be a violation of standard of
8 care.

9 Q. And if there is a leak of air or fluid, would you
10 agree that there is an increased likelihood that
11 there is going to be the development of a fistula?

12 A. Not necessarily a fistula or abscess or a stenosis
13 or scarring of the anastomosis -- I mean,
14 complications can occur, but they don't necessarily
15 have to.

16 Q. Is there an increased instance of abscess formation
17 or fistula formation where there is air or fluid
18 leaking at the time that a procedure of this nature
19 is completed?

20 A. Probably.

21 Q. Is it okay under any circumstance not to repair a
22 leak of air or fluid at the time of colon
23 surgery?

24 MR. HUPP: From an
25 anastomosis cite?

1 MR. MISHKIND: Yes, uh-huh.

2 A. Is it okay not to?

3 Q. Yes.

4 A. No. I think you should repair it if you can find
5 it.

6 Q. Looking at the operative report again, and I'll --
7 if you can look on yours, and I'll direct you to
8 the specific areas that I'm referring to.

9 On page 4 of the operative note, toward the
10 bottom in the highlighted area, I'll read it into
11 the record. It says, air did not leak out through
12 the anastomosis. There was a very small amount of
13 leakage of the Methyline Blue dye when this was
14 irrigated into the rectum.

15 Would you explain to me what the cause of that
16 leakage of the dye was at that time?

17 A. It was my opinion at that time that there was a
18 little bit of leakage through the hand-sewn
19 anastomosis area.

20 Q. Okay.

21 A. That when you do this -- when you put Methyline
22 Blue under pressure, you sometimes can see that
23 kind of a leakage. And in my opinion, and in my
24 experience, even more so with a hand-sewn
25 anastomosis.

1 It's my recollection that this was a very
2 small, little leakage with -- my technique is to
3 put some dry tapes down in the pelvis and irrigate
4 out with Methyline Blue in some instances to see if
5 you have any leakage that would be picked up on the
6 tape.

7 Once we did that, we couldn't find any further
8 sites. And I have had more success with using the
9 insufflation of air for an anastomosis, because,
10 frankly, the pressure is even higher with air.

11 What you do in that situation is fill the
12 pelvis with saline, clamp the bowel, and blow air
13 in it at very high pressure through the flexible
14 sigmoidoscope. And if you don't see any bubbles
15 coming up, that generally, in my experience, has
16 been satisfactory that there is not any clinically
17 significant leak.

18 The Methyline Blue, if it's picked up, you look
19 and see where it might be coming from. And in the
20 pelvic cavity and at the anastomosis, I could not,
21 to my recollection, find any oversights where it
22 was leaking. And because we had a good bowel prep,
23 I proceeded to go ahead and leave a drain in place
24 in the pelvis, which I do after all of these low
25 anastomoses.

1 Then I was satisfied that the anastomosis
2 wasn't under tension and the tissues were healthy,
3 so I elected to not do anything else, like a
4 diverting colostomy.

5 Q. Doctor, we can certainly agree, can we not, that
6 inserting a drain does not substitute for the
7 surgeon's responsibility to make sure that leaks of
8 air or leaks of fluid are resolved before
9 closure?

10 A. It does not substitute for finding a possible site
11 of a leak.

12 Q. And as I look at your operative note, I don't see
13 any evidence that, at the time of closure, that you
14 had satisfied yourself that the Methyline Blue leak
15 that was described in the operative report had been
16 resolved by further intervention to sew up the area
17 or to resolve the point of leakage.

18 A. If I would have seen a site where Methyline Blue
19 was obviously leaking out of an anastomosis, I
20 would have repaired it. It has been my experience
21 that at times you get a little leakage of dye, and
22 you re-irrigate and re-irrigate, and you can't see
23 it leaking out. This is in the peritoneal cavity.
24 And you're dealing with an anastomosis fairly low
25 in the pelvis.

1 I satisfied myself that there was not a
2 clinically significant leak by insufflating the air
3 and using that technique. I am sure -- although it
4 may not be dictated that I looked around for any
5 area where the Methyline Blue may be leaking. And
6 if I would have found it, I would have repaired it.

7 It was pretty clear from the operative note
8 that I was satisfied with the anastomosis, that
9 there was no tension there and that the bowel was
10 healthy enough that a leak would be unlikely.

11 Q. While you were satisfied that there was no
12 clinically significant leak, you could not and
13 cannot at this particular point say that you were
14 satisfied that there was no leak that continued
15 to exist at the time of the closure, can you,
16 Doctor?

17 MR. HUPP: Objection.

18 A. Well, I don't think anyone can.

19 I satisfied myself clinically that the
20 anastomosis was satisfactory.

21 Q. And the fact that you had a leak of Methyline
22 Blue dye then or at any time in the future, did
23 you arrive at an opinion as to the cause of the
24 leak?

25 A. It had nothing to do with the formation of a

1 colovaginal fistula, in my opinion. If we saw
2 Methyline Blue in the pelvis after insufflation of
3 Methyline into an anastomosis, and the Methyline
4 Blue dye is in the pelvis, it would mean to me that
5 the anastomosis between the colon and the rectum
6 had a leak somewhere and that it was spilling out
7 into the pelvis, not into the vagina.

8 And that's different, because you've got --
9 you've got one-fourth or one-fifth of your
10 anastomosis that is in conjunction with the vagina.
11 You've got four-fifths of it that is freely sitting
12 in the pelvis peritoneal cavity.

13 And if I've got a tape down in the pelvis and
14 saw some Methyline Blue on the tape -- and I don't
15 recall that, but if we did, we would have looked
16 all around wherever the anastomosis was visible
17 from the pelvis to see if we could find a site of
18 leakage.

19 And in our dictation, we obviously didn't find
20 that. And we elected to close.

21 Q. Going back to my original question, though, can you
22 tell me what the cause of the leakage was of the
23 Methyline Blue dye?

24 A. If you're injecting saline or dye through an area
25 that has just been sewn closed, you can have

1 sometimes leaks of fluid through that area. You
2 depend on the body to do some healing. You can't
3 sew things together so tightly that you have no
4 healing, because sometimes you'll cause
5 stricturing and scarring and ischemia to a segment
6 of bowel.

7 If you sew two pieces of bowel together and put
8 them -- put clamps on each end of the bowel, and
9 then inject fluid through that piece of bowel
10 that's got an anastomosis, if you will reach a
11 point where you'll get leakage through there, a
12 small amount of fluid through there, that may or
13 may not have any clinical relevance, because, one,
14 there is never any -- hopefully not any
15 significantly high pressures through that area for
16 a while. And two, you've got to depend on the body
17 to do some healing between your sutures. Sutures
18 do nothing more than hold the tissues together so
19 that they heal.

20 Q. So your opinion in this case is that this Methyline
21 Blue leak that's described on May 31, 1994, has no
22 clinical correlation at all to the colovaginal
23 fistula that we know 17 days later you were
24 operating on?

25 A. I can't see how it does.

1 Q. Okay.

2 After discovering that there was a small amount
3 of leakage of Methyline Blue at the time that you
4 irrigated the rectum, did you, from your memory or
5 from what's noted in the operative report, take any
6 further efforts to reinforce the anastomosis?

7 A. I don't recall. If it's not dictated, I don't
8 recall it.

9 Q. Would the standard of care in order to minimize the
10 likelihood of an abscess or a fistula developing .
11 require that measures be taken to reinforce the
12 anastomosis where there is evidence of a small
13 amount of dye leaking from the rectum?

14 MR. HUPP: Objection.

15 A. I think certainly if you see the site where you
16 have some leakage, and with Methyline Blue, you
17 often will, because it will stain the tissues, as
18 well, then I would reinforce that area.

19 There's no point in this dictation where I
20 noted that there was any staining of tissues.
21 Because if I did see that, I would have reinforced
22 it. I have had the experience of seeing both dye
23 leakages and air leakages noted after a completely
24 satisfactory stapled anastomosis.

25 And you look and look and try to find the area

1 of your leakage. And if you do not find that area
2 of leakage, and it is sometimes impossible to find,
3 then you have other alternatives. And those
4 alternatives are take the anastomosis down and redo
5 it, which sometimes is difficult if you're working
6 low in the pelvis, or create a proximal diverting
7 colostomy in order to give that area a time to
8 heal.

9 And it is my contention and dictation that we
10 were satisfied that the anastomosis was
11 satisfactory, without tension, and that there was
12 no leakage of air. And putting a scope through the
13 anastomosis, everything looked fine. And I had a
14 good bowel prep, and I elected to not perform a
15 proximal colostomy.

16 Q. Okay, let's move to the June 17th surgery now.

17 MR. HUPP: Can we see yours
18 for a second? When we copied it, it made a
19 black mark.

20 (Thereupon, a discussion was had off the record.)

21 BY MR. MISHKIND:

22 Q. On June 17th, again, the operative note that I've
23 handed to you has some highlighting on it. And on
24 June 17th, 1994, we know that we've got a rectal
25 vaginal fistula, correct?

1 A. Correct.

2 Q. Did Regina develop an abscess that led to the
3 fistula?

4 A. No.

5 Q. What caused the fistula?

6 A. A partial stapling together of the vaginal wall and
7 the rectal wall with the EEA stapler.

8 Q. And from a physiological standpoint from May 27th
9 -- I'm sorry, May 31st to June 17th, what process
10 or processes occurred that caused, if, in fact, you
11 are correct, the misfiring of the stapler to lead
12 to the fistula formation?

13 A. Well, I think that what happened is that part of
14 the wall of the vagina, posterior wall of the
15 vagina, was caught with the anterior wall of the
16 rectum in the stapler, and that, when the
17 instrument was fired, that it didn't complete an
18 anastomosis probably in that area, because it was a
19 a different tissue consistency.

20 And that, when we were examining the bowel
21 where the anastomosis wasn't completed, we saw -- I
22 saw good rectal and colonic tissue and fastened the
23 remainder of the anastomosis by hand, and that for
24 a week or so before she started to have significant
25 flow of stool through the area, that that wasn't a

1 problem. But then when she started to have
2 significant amount of gas and stool come through
3 the area, that it probably gradually anatomically
4 broke down, and the fistula was created.

5 I don't have any clinical evidence that she had
6 an abscess that led to that formation. So that's
7 what I think must have happened.

8 Q. And that's why you used the language in your
9 operative report that she developed a rectal
10 vaginal fistula with a staple line through the
11 vaginal wall no doubt resulting from the EEA
12 stapler?

13 A. Yes.

14 Q. Whose fault is it, if you are correct, that she
15 developed the fistula no doubt resulting from the
16 EEA stapler?

17 MR. HUPP: Objection to the
18 form of the question.

19 A. Well, the surgeons who put the staple gun together
20 and fired it are at fault.

21 Q. And who was that surgeon?

22 A. That is me.

23 MR. HUPP: Objection, move
24 to strike.

25 BY MR. MISHKIND:

1 Q. Doctor, the Methyline Blue dye, the small amount
2 that was seen back on May 31 --

3 A. Yes.

4 Q. -- was that in reality a leakage from what was soon
5 to be the fistula?

6 A. That's speculation.

7 Q. Is it in the same location?

8 A. I can't recall. I don't know where the -- you
9 know, there's no point in the dictation where it
10 says where the leakage was coming from. I would
11 expect that, if there was a large enough hole
12 created between the vagina and the rectum
13 initially, that you would see blue dye in her
14 vagina when you're inserting that into her rectum.
15 And that was obviously not the case. That if any
16 dye showed up, it showed up on a tape down in her
17 pelvis and that that is -- it's impossible for me
18 to -- and I think impossible for anyone to say
19 whether or not the Methyline Blue leakage, minor
20 though it may have been, was a precursor of or
21 harbinger of a colovaginal fistula.

22 Q. Was it surprising to you that she developed a
23 rectal vaginal fistula based upon what transpired
24 at the time of the surgery on May 31, 1994?

25 A. When I got the pathology report back, which was

1 about the same time that she started to pass a
2 little stool per her vagina, it was clear to me
3 what happened. Because the pathology report had
4 some squamous mucosa in it, and the rectum doesn't
5 have squamous mucosa. The vagina did.

6 So I didn't even have to put a finger in or a
7 scope up there. I knew what happened.

8 Q. You knew she had a fistula?

9 A. Yes.

10 Q. But that doesn't tell you what caused the
11 fistula?

12 MR. HUPP: Objection.

13 A. Well, I'm a clinician, and I know what caused the
14 fistula. It was either a stapling together of the
15 partial wall of the vagina with the rectum, or it
16 was a major leak -- not major, but a significant
17 leak at the anastomosis that eroded into the
18 vagina.

19 Q. Do you need, in fact, a major leak to have led to
20 the size fistula that she had 17 days later, or
21 would a small leak --

22 A. A small clinical leak could do the same.

23 Q. Okay.

24 So those are two possible explanations for why
25 she developed the fistula?

1 A. Yes.

2 Q. Are there any other possible explanations other
3 than those two?

4 A. Not really.

5 Q. And can we agree that, if the leak was at the site
6 of the anastomosis, and it had nothing to do with
7 the misfiring of the EEA gun, and there was
8 clinical evidence of a leak, that it was your
9 responsibility to have re-approximated the
10 anastomosis so as to minimize the likelihood of
11 fistula formation?

12 MR. HUPP: Objection.

13 A. If I would have been able to determine that
14 Methyline Blue was leaking from an area of the
15 anastomosis, then I would assume that I would put a
16 stitch or two in that area to close up that area of
17 leakage.

18 Q. Okay.

19 A. I am sure that I saw no specific area that was
20 leaking Methyline Blue.

21 Q. Hypothetically, if you didn't put a stitch in, and
22 you had clinical evidence of a leakage of Methyline
23 Blue at that point, we can certainly agree, can we
24 not, that that would have been a violation of the
25 standard of care?

1 MR. HUPP: Objection.

2 A. Well, you know, I'm not sure what you're asking me.
3 I mean, are you asking me if I saw -- if I had a
4 little leakage of Methyline Blue, and I looked all
5 around and tried it again, or did whatever, but
6 could not find where it was leaking, and I had
7 other mechanisms at my disposal that I used, and
8 that is putting air in and filling up the
9 peritoneal cavity and the pelvis with saline and
10 looking to see if any bubbles of air leaked
11 through, and you visualize to the best of your
12 ability what the anastomosis looks like, and if all
13 of those parameters tell me clinically that my
14 anastomosis is secure, I'm not sure what else you
15 want me to do or, you know, where you would expect
16 me to make another movement or another step in
17 order to improve the situation where I saw no
18 problems.

19 Q. Certainly if there is --

20 A. If I saw a major -- I'm sorry to interrupt.

21 Q. That's okay.

22 A. If I saw a leak that was clinically -- that was
23 visible to my eye, and -- I would reinforce it.

24 Q. And if you saw a leak that was clinically visible
25 to your eye, and you didn't reinforce it, instead

1 you put a drain in and closed the patient up, that
2 would be a violation of the standard of care,
3 correct?

4 MR. HUPP: Objection,
5 hypothetical, go ahead.

6 A. Yes.

7 Q. If the anastomosis was both water and air tight,
8 more likely than not would Regina have developed
9 this fistula?

10 A. Based on what I know in retrospect looking over
11 this whole case, if the anastomosis at that time
12 was air and water proof, would she have developed a
13 fistula?

14 Q. Yes, sir.

15 A. Yes.

16 Q. She would have?

17 A. Yes.

18 Q. Explain to me.

19 MR. HUPP: We've been over
20 this a couple times. It's the gun. He
21 keeps telling you it's the EEA.

22 A. The EEA stapler had vaginal and rectal mucosa
23 within it. The vagina had to be pulled up into
24 part of the anastomosis. And when the gun fired,
25 it probably misfired at that area, because that's

1 different tissue consistency.

2 And the idea of the gun is that it usually
3 needs the right consistency. Now, that's in
4 retrospect, because at that time I looked and
5 visually inspected and tried to fix this hole that
6 was not -- you know, part of my anastomosis that
7 wasn't completed.

8 And when I visualized that and looked all
9 around and freed up the tissue some more, making a
10 little incision on the colonic side to give myself
11 a little more length and less tension, I was
12 satisfied at that time that I was sewing rectal
13 mucosa to colonic mucosa. And I'm convinced that I
14 did that, because I looked with the flexible
15 sigmoidoscope and saw a wide open anastomosis with
16 no obvious leaks. I put air in there and saw no
17 leaks.

18 If I put in some Methyline Blue and saw a
19 little bit of blue show up, but couldn't find where
20 it was coming from, so be it. But I do not think
21 that that heralded -- that that Methyline Blue leak
22 necessarily at all heralded the possibility of the
23 formation of a fistula

24 (Thereupon, a discussion was had off the record.)

25 BY MR. MISHKIND:

1 Q. did you see Regina at any time between her
2 discharge from the hospital on May 31st and when
3 she came back into the hospital?

4 A. This is discharge May --

5 MR. HUPP: 31st.

6 MR. MISHKIND: I think it's May
7 31st.

8 A. Exploratory lap, discharge on 6-23, yes, I have a
9 couple of office notes here from -- you know, I saw
10 her on July 7th.

11 Q. No, I'm sorry. We have the procedure on May
12 31st.

13 A. Yes.

14 Q. Then did she remain in the hospital?

15 A. According to my chart, she was discharged on June
16 23rd.

17 Q. Okay.

18 So she was in the hospital when the fistula was
19 discovered?

20 A. Yes.

21 Q. Now, do you have the pathology report?

22 A. I should.

23 MR. HUPP: I haven't been
24 able to find it. He told me that he
25 remembered seeing it, but I couldn't find

1 it.

2 MR. MISHKIND: Now, is this
3 from the May 31 procedure?

4 MR. HUPP: Yes --

5 A. No, it would be from the --

6 Q. June 17th?

7 A. No. It would be for the May 31st procedure.

8 Q. Okay.

9 A. It's got to be in with the hospital records,
10 then.

11 Q. I think it's right -- is that what you're looking
12 for (Indicating)?

13 A. Yes.

14 MR. HUPP: There you go.

15 Is that in -- it's in his?

16 THE WITNESS: Yeah, I've got
17 it. No, that's not it. That's not it.

18 MR. HUPP: Let me see
19 this.

20 THE WITNESS: That's the June
21 operation.

22 MR. HUPP: Go ahead. We'll
23 keep going. Use that thing, but --

24 BY MR. MISHKIND:

25 Q. This may be from a copy of --

1 A. It's from the hospital records, probably.

2 Q. Right, which is right here (Indicating).

3 Okay, now, we were talking about the pathology
4 report, which you looked at. And this was about
5 the same time that the fistula --

6 A. Became symptomatic.

7 Q. Okay.

8 And what is it about the pathology report that
9 is of significance to you in terms of the
10 diagnosis?

11 A. That there is non-carotenizing squamous mucosa with
12 mild nonspecific chronic inflammation.

13 Q. Okay.

14 A. That's vagina mucosa. That's not colon or rectal
15 mucosa.

16 Q. And of what significance is that in terms of the
17 cause of the -- or the inciting event, if you will,
18 that precipitated the --

19 A. That my EEA stapler caught a little bit of the
20 posterior wall of her vagina, whether at the
21 vaginal cuff or a little bit lower down, but it was
22 caught up in that process of bringing the EEA
23 stapler and its anvil down together, a process that
24 involves screwing down the stapler as the tissues
25 are pulled together to this certain position where

1 the gun is then fired.

2 You make every effort to put your hand around
3 and feel and satisfy yourself that no other tissues
4 are caught when that happens. And I did that.

5 Q. Okay.

6 A. And I've seen bladder, vagina, small bowel, and
7 ureters get caught in these kinds of staple guns,
8 Have I done all those? Absolutely not. But those
9 are all reported complications of using these kinds
10 of instruments.

11 Q. If it's reported happening, why, then, do they
12 continue to use these guns?

13 A. Well, I said this earlier. It still makes
14 operating in difficult situations easier a great
15 percentage of the time. I am sure that there are
16 surgeons out there who, if they saw what I saw, the
17 original operation, would have told Ms. Rushdan, as
18 horrible as it sounds, to live with the colostomy
19 the rest of your life. Because trying to put you
20 back together may be hard.

21 And there are a lot of folks walking around out
22 there, certainly not many young people, but there
23 are a lot of older **folks** out there walking around
24 now with colostomies that the older general
25 surgeons don't put back together and didn't put

1 back together, because it's hard. It's technically
2 difficult surgery.

3 So the advent of these instruments has enabled
4 us to go down into the pelvis relatively low with a
5 lot of inflammation and a lot of difficult
6 dissection and generally improve our ability to put
7 people back together so that they don't have to
8 live with the colostomy.

9 Q. Did you tell Regina what was causing her to pass
10 stool through her vagina?

11 A. Yes.

12 I told her that there was a connection between
13 her rectum and her vagina and that it probably
14 occurred from the staple gun. Because I wasn't
15 sure at that time. I only found out that when we
16 re-operated on her the second -- when we operated
17 on her and did the colostomy and we looked in
18 there. And then I said that we've got this fistula
19 now. There's some staples and sutures in there
20 that we took out. But because it was such a
21 difficult operation initially, I don't want to try
22 to re-operate on you now. I'll give you a
23 colostomy, and we'll see if this fistula will close
24 down over time.

25 Q. Is it your testimony that you told her at that time

1 look at this, I'm going to just tell you, I
2 put together a little time line. I don't
3 want to necessarily produce it, but I'll let
4 him look at it to speed things up.

5 THE WITNESS: It'll save
6 time.

7 MR. HUPP: All it is is my
8 handwriting, but go ahead.

9 MR. MISHKIND: I have no
10 problem.

11 A. My recollection is we did the transverse loop
12 colostomy.

13 So you're asking after that time?

14 Q. No. You have to say the dates. We left off on
15 5-31.

16 June 17th?

17 A. June 17th is the transverse loop colostomy
18 procedure where we also took out some sutures in
19 the area of the fistula with the hopes of planning
20 for it to close.

21 We did an operation on October 18th, 1984.

22 Q. You don't mean '84?

23 A. 19 --

24 MR. HUPP: '941

25 A. -- 94, November --

1 Q. What was the nature of that surgery?

2 A. That was an operation where we went back into the
3 abdominal cavity, because this fistula wasn't
4 closing with conservative therapy and tincture of
5 time.

6 Q. So thus far the surgeries you've described, the
7 June 17th surgery and the October surgery, were
8 necessitated as a direct result of the fistula?

9 A. Yes.

10 Q. Okay, continue.

11 A. We then operated on her on November 29th, 1994,
12 where we tried a local procedure through the rectum
13 in order to close this persistent colovaginal
14 fistula.

15 I then became aware again that it was opening
16 up and not closing again, and I attempted again
17 from both the vaginal and rectal side on February
18 28th, 1995, to close this fistula.

19 Q. Back up for one second, if I might, Doctor.

20 In reviewing one of the operative notes, I
21 think the October 18th note, there appears -- and
22 this was the point in time when -- I think it was
23 an attempt to -- the take down procedure.

24 A. Yes.

25 Q. Where there was a nicking of the bladder?

1 A. Yes.

2 Q. Is that an incidental finding that --

3 A. When we went back in that pelvis, it was
4 continually as inflamed as it ever had been. And
5 the tissue planes were even as bad or worse than
6 they were before.

7 She had intense inflammatory response to any
8 and all surgical procedures done in her abdominal
9 cavity with a lot of adhesion formation. And it
10 was a technically very difficult procedure to
11 mobilize the colon, try to get down to the area of
12 the anastomosis, get the bladder, vagina, and
13 rectum all separated out.

14 In doing so, we made a small hole in the
15 bladder that we immediately recognized and
16 closed.

17 Q. Can we agree that that complication would not have
18 occurred had you not had to be in there taking care
19 of a fistula problem?

20 A. If I hadn't have been in there, that complication
21 wouldn't have occurred.

22 Q. And I want you to continue with the operations, but
23 can we agree that all of the surgeries that you
24 performed through August of 1995 were directly
25 necessitated as a result of the fistula formation

1 that occurred back in the May and June, '94
2 period?

3 A. Yes.

4 Q. Okay, please continue.

5 A. After a failure of the repair, both transvaginally
6 and transrectally on February 28th, 1995, we tried
7 one more time in June of '95, June 27th, to repair
8 the fistula, again just from the rectal side.

9 And most of these local attempts at closure, we
10 essentially removed any residual foreign bodies,
11 granulation tissue, and if there were any residual
12 sutures or staples there, and then tried
13 essentially mucosal advancement techniques in order
14 to get the fistula closed.

15 Q. When you say mucosal advancement techniques, what
16 were you doing?

17 A. These are local operations where the fistula site
18 is identified, generally speaking, from the rectal
19 side, and a flap of tissue encompassing the fistula
20 site at the mucosal level is lifted off of the
21 fistula's tract. That enables us to dissect
22 surrounding normal mucosa that isn't as inflamed,
23 mobilize it a bit, and then close normal mucosa
24 over the fistula site.

25 We then used that little flap of remaining

1 tissue to sort of pants and suspenders our repair
2 by taking that over the repair of the fistula.

3 Q. Throughout this period of time, she has the
4 continued colostomy?

5 A. Correct.

6 Q. Your ultimate aim was to resolve the fistula and to
7 be able to reverse the colostomy?

8 A. Absolutely.

9 Q. In reality, based upon the degree of the fistula
10 and the complications that she had as time was
11 going on, did you believe that you would ever be
12 able to get to the point where you would be able to
13 reverse the colostomy?

14 A. Absolutely.

15 Q. Okay.

16 Thus your continued efforts at surgical
17 intervention?

18 A. Correct.

19 Q. Please continue with the --

20 A. That's really the end of the procedures, June 27th,
21 1995.

22 Oh, we did a flexible sigmoidoscopy, took a
23 quick look inside again when she started to have
24 further drainage. I mean, I was convinced by
25 August of 1995 that the fistula was re-opening

1 again.

2 Q. Notwithstanding the mucosal flap?

3 A. Uh-huh.

4 Q. And why wasn't it maintaining its integrity? What
5 was it that was causing --

6 A. I have several theories.

7 She had very difficult scarring and a lot of
8 inflammation down in her pelvis. And this fistula
9 was right at the junction, essentially, of her
10 cul-de-sac, which is an area in the pelvis where
11 the vagina and the rectum -- and even indeed
12 eventually the bladder were all stuck down in her
13 peritoneal pelvic cavity.

14 And operations down there had been very
15 difficult on her because of the previous
16 inflammation and surgery. And even indeed in Dr.
17 Rubinstein's initial operation, this woman had a
18 lot of scarring in the pelvis.

19 I don't pretend to know why that is, but she
20 obviously had had pelvic problems in the past, and
21 maybe even some colonic problems. Because she had
22 diverticulosis in the segment of colon I took out.
23 An inflammatory process in the pelvis made
24 dissections difficult.

25 And the more you have to dissect in these

1 tissue planes, the more likely you are to locally
2 interfere with the blood supply. So that perhaps
3 if we have a poor blood supply in the area, tissues
4 just don't heal as well. And she incites an
5 inflammatory response that's almost exaggerated in
6 certain instances.

7 There was foreign body -- there was still some
8 suture material around that is very difficult to
9 see when you have so much inflammation. And every
10 time we saw it, we tried to remove it. Because a
11 foreign body is certainly contributing to keeping
12 her fistula open.

13 There was one instance, you know, she had
14 sexual intercourse shortly after one of these
15 repairs. We're trying to keep tissues in the
16 vagina and rectum -- get them healing. And that
17 was perhaps a problem, as well. I think there are
18 multiple reasons why this fistula was difficult to
19 get closed.

20 Q. Did you advise against sexual intercourse?

21 A. I did.

22 Q. Now, as I look through your handwritten notes,
23 there appeared to be two occasions where you
24 document sexual intercourse. That's not to say
25 there may not be others. I just was able to pick

1 up on -- December 5, '94, do you see that?

2 A. Correct.

3 Q. And then I see a situation of May 8th, '95?

4 MR. HUPP: Right.

5 A. Yes.

6 MR. HUPP: Right there.

7 BY MR. MISHKIND:

8 Q. Again, I'm not suggesting that I've read -- it
9 appears that those are the two occasions where you
10 note sexual activity?

11 A. Right.

12 I think my exclamation point on 12-5-94 was,
13 oh, no, had intercourse. I think when I noted it
14 in May of '95, I was more hopeful that she was
15 having intercourse. She had been out a bit from
16 the repair, and that she didn't seem to be having
17 problems. So that at that point in time, I was
18 hoping, and it seemed clinically, that that fistula
19 may be closed.

20 That's my recollection from the way the notes
21 look.

22 Q. And the procedure, the last procedure you did, is
23 the August 8, '95 procedure where you did the
24 flexible sigmoid --

25 A. Sigmoidoscopy, yes.

1 Q. Easy for you to say.

2 If Regina didn't have sexual intercourse in May
3 of '95, would she not have developed the recurrence
4 of the fistula?

5 A. It's possible. I don't think anyone can say with
6 certainty.

7 Q. At this point, she's got a track record with regard
8 to the complications in that area, and that's why
9 you can't say that that one episode is the most
10 substantial factor causing the recurrence of the
11 fistula, correct?

12 A. That's a fair statement.

13 Q. I asked you before about talking with different
14 people, and specifically about calling over to the
15 Cleveland Clinic.

16 Did you consult with anyone else to see whether
17 there might be some other surgical modalities that
18 you could consider to try to help this woman out?

19 A. I don't -- not that I recall. I knew what the next
20 surgical modality was going to have to be.

21 Q. And that was --

22 A. And that was to do an even lower dissection and
23 totally take out her distal rectum and to do an
24 anastomosis between her colon and her anus.

25 Q. Is that what they ultimately did at the Cleveland

1 Clinic?

2 A. Yes.

3 Q. The photos that are in the file that I have Xeroxes
4 of, are those from the sigmoidoscopy?

5 A. Various sigmoidoscopies.

6 Q. And are they of any significance as we discuss this
7 case, that --

8 A. Well, they show --

9 MR. HUPP: Hold on. He
10 wasn't finished with the question.

11 A. Sorry.

12 Q. **As** we discuss this case just in terms of showing
13 the location of the fistula or any of the
14 complications associated with the various
15 operations?

16 How is that for a general question?

17 A. They show areas of inflammation, some sutures and
18 some staples at times before we would remove them.
19 And indeed the last set of pictures from the
20 sigmoidoscopy on August 8th really shows what I
21 think is a great looking anastomosis and what shows
22 some probably residual persistent fistula, but no
23 foreign bodies any more.

24 So at this point in time, I'm thinking that
25 we've got the foreign body situation even taken

1 care of. And I can't see any more sutures. But
2 her fistula is obviously opening up again.

3 And that's when I said, maybe you ought to get
4 another opinion again. So that's the relevance of
5 these pictures.

6 MR. MISHKIND: What I'd like to
7 do, even though it's not going to be as good
8 as getting actual prints, but I'd like to at
9 least get laser --

10 MR. HUPP: Do you want
11 prints? We could do that.

12 MR. MISHKIND: Yeah.

13 MR. HUPP: We could do
14 that. I'll agree to make the color prints
15 for this, sure.

16 MR. MISHKIND: That's fine,
17 thanks.

18 MR. HUPP: Because I may
19 want those copies made, as well.

20 BY MR. MISHKIND:

21 Q. You've not seen all of what's gone on at the
22 Cleveland Clinic, so I suspect you're not even
23 fully cognizant of what Regina's current condition
24 is, are you?

25 A. I am not.

1 Q. Are you satisfied from what you've seen from Dr.
2 Strong, what little information you've gotten over
3 a period of time, that the surgical approach to
4 continued efforts trying to resolve her problem
5 were appropriate at the Cleveland Clinic?

6 A. Well, it's certainly what I had planned next, was a
7 very radical pelvic procedure in order to resect
8 any and all possible inflamed tissue, including the
9 old anastomosis, dissect it off of the vagina,
10 close the vagina, and do an anastomosis very low,
11 most likely nearly to her anus, in order to get a
12 --yes, that was the procedure that I felt was going
13 to need to be done.

14 Q. So you certainly don't have any criticism of what
15 was done for her at the Cleveland Clinic, do you?

16 A. Not from the very little information that I've
17 seen, no.

18 Q. Regina had to have Visiting Nurse's Association
19 come out in between her various hospitalizations, I
20 dissected from some of your records?

21 A. I would imagine so for enterostomal colostomy
22 care.

23 I don't recall any significant wound
24 infections, but there might have been some local
25 wound care, as well. But more likely it would be

1 for colostomy and enterostomal therapy.

2 Q. Can you explain in simple terms what's involved in
3 terms of the hygiene or the care that a visiting
4 nurse would be doing to a patient of this nature?

5 A. They would be teaching her how to take care of the
6 colostomy bag itself, how to put on a wafer of
7 adhesive material -- it's not hopefully too
8 bothersome to the abdominal wall -- and then to
9 attach to that a colostomy bag, plus or minus
10 venting techniques in order to keep down any odor,
11 and then how to change that.

12 It's generally a snap-on type of procedure. It
13 does take some time to learn those sometimes, but
14 generally it's a relatively straight forward
15 process. But people take lessons at home in order
16 to learn how to deal with that. They would teach
17 her how to shower and how to completely remove the
18 wafer, et cetera, and reassure her that, you know,
19 you don't have to have your colostomy bag on every
20 second, that you can get in the shower and bathe
21 and do a lot of other normal activities.

22 It's basically both technical teaching and
23 reassurance.

24 Q. I take it from what you said in terms of the next
25 course of treatment that would have been provided,

1 that you have the surgical expertise and training
2 to do what the doctors did at the Cleveland
3 Clinic?

4 A. Yes.

5 Q. But just because of what went on with Regina, it
6 was probably a healthier situation that she have a
7 different surgeon treating her?

8 A. It's my opinion, yes.

9 Q. Okay.

10 Did your decision to have her get a second
11 opinion from the Cleveland Clinic have anything to
12 do with your feeling that you were responsible for
13 the situation that Regina was going through?

14 MR. HUPP: Objection.

15 A. No. I liked Regina Rushdan. I was having
16 difficulty with a difficult clinical situation. I
17 wanted her to get a second opinion. And then she
18 could make the decision whether or not she would
19 undergo any further surgery with me or someone
20 else.

21 Q. Did there arrive a point in time with the
22 communication between you and Regina where Regina
23 seemed to get frustrated with you in terms of your
24 telling her that the next procedure, you're
25 optimistic that things are going to improve, and

1 then you would be -- or she would be back at a
2 point where she was taking one giant step forward
3 and then one giant step backward?

4 A. It is my recollection with regard to my
5 physician-patient relationship with Regina Rushdan
6 that we both were equally frustrated and concerned
7 about a difficult situation.

8 MR. MISHKIND: Let me take a
9 look at my notes, Doctor. I believe I may
10 be done.

11 I am done.

12 MR. HUPP: We'll read it if
13 it's ordered.

14

15

16

17 _____
David Baringer, M.D. date

18

19

20 (DEPOSITION CONCLUDED)

21

22

- - -

23

24

25

1 STATE OF OHIO,)
2 COUNTY OF CUYAHOGA.) SS:


3 CERTIFICATE

4 I, MICHELLE R. HORDINSKI, a Registered
5 Professional Reporter and Notary Public within and for
6 the State of Ohio, duly commissioned and qualified, do
7 hereby certify that the within-named witness, DAVID
8 BARINGER, M.D., was by me first duly sworn to tell the
9 truth, the whole truth and nothing but the truth in the
10 cause aforesaid; that the testimony then given by him was
11 reduced to stenotypy in the presence of said witness, and
12 afterwards transcribed by me through the process of
13 computer-aided transcription, and that the foregoing is a
14 true and correct transcript of the testimony so given by
15 him as aforesaid.

16 I do further certify that this deposition was taken
17 at the time and place in the foregoing caption specified.

18 I do further certify that I am not a relative,
19 employee or attorney of either party, or otherwise
20 interested in the event of this action.

21 IN WITNESS WHEREOF, I have hereunto set my hand and
22 affixed my seal of office at Cleveland, Ohio, on this
23 5th day of November, 1997.

24 
Michelle R. Hordinski, RPR and Notary Public
25 in and for the State of Ohio
My Commission expires January 25, 2001.