

IN THE CIRCUIT COURT OF THE SEVENTH JUDICIAL CIRCUIT
IN AND FOR ST. JOHNS COUNTY, FLORIDA

---000---

JAMES and MAUREEN LONG, individually
and as parents and natural guardians
of Christina Long, a minor,

Plaintiffs,

vs.

ANGELITA CORRAL, M.D.; ROBERT LEE,
M.D.; WARREN O. WHITLOCK, M.D.;

FLAGLER HOSPITAL, INC.; AIR
AMBULANCE ASSOCIATES; JUAN LARROUDE,
Y.D.; JOYCE HENUBER, R.N.; SALLY

ROBB, R.N.; SHARON HELLMAN, C.N.M.;
ALICE ROEHRIG, R.N.; S. PADRON,
Y.D.; and N. NORTHINGTON, R.N.,

Defendants.

COPY

No. 88-492-CA
Division P

DEPOSITION OF ANTHONY JAMES BARXOVICH, M.D.

APRIL 23, 1990, 9:16 A.M.

Reported By:

Christine M. Niccoli, CSR No. 4569

NICCOLI REPORTING ASSOCIATES
3080 La Selva Street, Suite 15
San Mateo, California 94403-2147
(415) 573-9339

CERTIFIED SHORTHAND REPORTERS SERVING THESE COUNTIES
ALAMEDA * SAN FRANCISCO * SAN MATEO * SANTA CLARA

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26

---000---

I N D E X

Page

EXAMINATION BY MR. NOECXER:	5
EXAMINATION BY MR. BULLOCK:	42
EXAMINATION BY MR. FACCIOLO:	46
EXAMINATION BY MR. SCHODER:	50

---000---

E X H I B I T S

Page

DEFENDANT'S:

A	13-page document entitled "CURRICULUM VITAE, Anthony James Barkovich, M.D.":	53
---	---	----

---000---

1 BE IT REMEMBERED that, pursuant to Renotice of
2 Taking Deposition Duces Tecum, and on Monday, April 23,
3 1990, commencing at the hour of 9:16 a.m. thereof, at the
4 UNIVERSITY OF CALIFORNIA SAN FRANCISCO MEDICAL CENTER,
5 Long Hospital, 505 Parnassus Avenue, Room L-305, San
6 Francisco, California, before me, CHRISTINE M. NICCOLI, a
7 Notary Public in and for the County of San Mateo, State
8 of California, there personally appeared ANTHONY JAMES
9 BARKOVICH, who was called as a witness by the Defendant
10 herein, was examined, and testified as is hereinafter set
11 forth.

12 ---000---

13 A P P E A R A N C E S

14 For the Plaintiffs:

15 SEARCY, DENNEY, SCAROLA, BARNHART & SHIPLEY, P.A.
16 BY: **F. GREGORY BARNHART, ESQ.**
2139 Palm Beach Lakes Boulevard
West Palm Beach, Florida 33409
17 (407) 686-6300

18
19 For the Defendant, ANGELITA CORRAL, M.D.:

20 COLE, STONE & WHITAKER
BY: **FRANK H. COLE, JR., ESQ.**
211 Liberty Street, Suite 3
21 Jacksonville, Florida 32202
22 (904) 353-9664

23 For the Defendant, WARREN O. WHITLOCK, M.D.:

24 BULLOCK & CHILDS, P.A.
25 BY: **BRUCE S. BULLOCK, ESQ.**
711 Blackstone Building
Jacksonville, Florida 32202
26 (904) 354-0286

1 APPEARANCES (Cont'd.):

2 For the Defendant, FLAGLER HOSPITAL, INC.:

3 TARASKA, GROWER, UNGER and KETCHAM, P.A.
4 BY: **A. SCOTT NOECKER, ESQ.**
5 111 North Orange Avenue, Suite 1700
6 Post Office Box 538065
7 Orlando, Florida 32853
8 (407) 423-9545

9 For the Defendant, FLAGLER HOSPITAL, INC.:

10 ADAMS, HILL, FULFORD, REIS, ADAMS & HALL
11 BY: **DAVID J. WOLFMAN, ESQ.**
12 1417 East Concord Street, Suite 101
13 Orlando, Florida 32803
14 (407) 896-0425

15 For the Defendant, AIR AMBULANCE ASSOCIATES:

16 DAWSON, GALANT, SULIK, WIESENFELD & BICKNER
17 BY: **DAVID M. WIESENFELD, ESQ.**
18 320 East Adams Street
19 Jacksonville, Florida 32202
20 (904) 355-5505

21 For the Defendant, ALICE ROEHRIG, R.N.:

22 KEITH, HAYDEN, FACCILOLO & McMORROW, P.A.
23 BY: **V. JAMES FACCILOLO, ESQ.**
24 1200 Gulf Life Drive, Suite 700
25 Post Office Box 53075
26 Jacksonville, Florida 32201-3075
(904) 398-3600

27 For the Defendant, S. PADRON, M.D.:

28 SMITH, SCHODER & ROUSE, P.A.
29 BY: **C. ANTHONY SCHODER, JR., ESQ.**
30 605 South Ridgewood Avenue
31 Daytona Beach, Florida 32014
32 (904) 255-0505

---ooo---

1 ANTHONY JAMES BARKOVICH,
2 having been duly sworn, testified as follows:

3 MR. NOECKER: Would you state your full name for the
4 record, please.

5 THE WITNESS: Anthony James Barkovich.

6 EXAMINATION BY MR. NOECKER

7 MR. NOECKER: Q. Dr. Barkovich, my name is Scott
8 Noecker. I represent Flagler Hospital. If at sometime
9 as we go through this you don't understand my question, I
10 want you to please ask me and I'll do my best to repeat
11 so it's decipherable to you.

12 What's your address, business address?

13 A. Neuroradiology Section at UCSF, and it's 505
14 Parnassus in San Francisco.

15 Q. How long have you been with the university?

16 A. I've been here for almost four years. I spent
17 a large portion of that on the clinical faculty while I
18 was doing my army obligation at Letterman Hospital here
19 in San Francisco, and I've been full-time faculty since
20 the beginning of October.

21 Q. Have you ever been in private practice?

22 A. No.

23 Q. As an associate professor, which I think your
24 CV indicates, --

25 A. Yes.

26 Q. -- what are generally your duties and

1 responsibilities under that title?

2 A. Well, my responsibilities are to take care of
3 the -- or to perform the clinical duties of a
4 neuroradiologist here at University of California, and we
5 cover Long and Moffitt Hospitals where we're now as well
6 as the VA Medical Center at Fort Miley and an imaging
7 center on California Street called San Francisco Magnetic
8 Resonance Center; and while doing that we teach the
9 fellows and the radiology residents, neuroradiology
10 fellows and radiology residents in neurology.

11 Q. Okay. Breaking it down in percentages, how
12 much of your work week is spent in actual teaching
13 duties?

14 A. Well, any time I'm doing clinical work I'm also
15 teaching because we never do anything without a fellow or
16 a resident at our side. So I'd say probably, oh, 50
17 percent of my week is clinical, slash, teaching; probably
18 25 percent is research, and 25 percent is administrative.

19 Q. Okay. So are you salaried by the state?

20 A. Yeah.

21 Q. Okay. Do you have any other income other than
22 your salary you receive from the state?

23 A. Well, I receive income from lectures on
24 neuroradiology, as well as I make some money from
25 consulting in medical-legal cases.

26 Q. Who is your immediate supervisor here in the

1 radiology department?

2 A. Dr. David Norman, who is the chief of
3 neuroradiology.

4 Q. In your practice have you ever had your staff
5 privileges at all curtailed, or have you ever been
6 disciplined in any nature?

7 A. I have not.

8 Q. Have you ever been investigated under peer
9 review?

10 A. No.

11 Q. You board certified?

12 A. Yes.

13 Q. What year were you board certified?

14 A. 1984.

15 Q. Pass that on the first try?

16 A. Yes.

17 Q. You've given me a copy of your CV before we
18 started this deposition. Is that up to date and current?

19 A. It's real- -- I mean, you know, within a couple
20 of months.

21 Q. Okay. Do you have any other articles or
22 presentations that you've made that need to be added to
23 this?

24 A. Yes. There are a few.

25 Q. Okay. Are any of those articles even remotely
26 related to either the facts or the medicine involved in

1 the Long case?

2 A. There are nonrelated to the case that aren't on
3 the CV.

4 Q. Okay. What states are you currently licensed
5 to practice in?

6 A. Just in California.

7 Q. Of the articles and research activities,
8 presentations, that are listed in your CV that you've
9 given me, can you tell me which ones deal with, however
10 remotely, either the facts or the medicine involved in
11 this case?

12 A. Well, there are -- there's a chapter of my book
13 that -- Chapter III in my book deals with asphyxic damage
14 in premature and term kids, and there's a paper that's in
15 press for the AMERICAN JOURNAL OF NEURORADIOLOGY that
16 deals with MR patterns of asphyxic injury in children.

17 Q. Why don't you go ahead and mark the ones -- put
18 a circle or a check.

19 MR. NOECKER: And we'll have this -- you remember,
20 if you would, we'll have this one attached to the
21 deposition.

22 A. Those are the only two that really pertain
23 perhaps -- see, where is that one. There's one over here
24 that may have some remote -- There it is. So I've
25 checked three references that are related in some way.

26 Q. Is that research activity that's listed on page

1 12 with neonatal asphyxia related to this case?

2 A. I -- In remotely, I guess, yeah. We're still
3 waiting to get the decent funding on that. That's more
4 of a grant proposal than an actual research activity.
5 We've done just very little preliminary stuff on that
6 project, and I don't think we have enough data to reflect
7 in any way on this case.

8 Q. And is your answer equally applicable to the
9 subparagraph b under that where you're speaking of
10 patterns of asphyxic brain damage is retrospective study
11 in attempt to correlate patterns of brain damage with the
12 duration and severity of the insult and with the
13 gestational age of the patient at time of the insult?

14 A. That's actually in that paper that's in press.

15 Q. Okay.

16 A. The neonatal pig one we haven't done anything
17 on to speak of except to show that we can -- that we have
18 adequate technology here to do a -- we're still waiting
19 for funding from the NIH.

20 Q. Do you know why plaintiffs' counsel contacted
21 you in this case?

22 MR. BARNHART: Objection. Speculation.

23 You can't read my mind.

24 A. I have no idea why he contacted me.

25 Q. Who -- Let me don't put the cart before the
26 horse. Who did contact you first with regard to the Long

1 case?

2 A. I think it was someone who works in
3 Mr. Barnhart's office called up -- Linda Gordon called up
4 and asked me if I'd be willing to review some films. And
5 since that's what I look at, -- MRs and CTs and
6 ultrasounds is what I do for a living -- I said I would
7 be happy to look at it for them.

8 Q. Is she the same -- strike that.

9 Was your first contact, to the best of your
10 knowledge, from Palm Beach Medical Consultants?

11 A. Yeah, to the best of my knowledge.

12 Q. When was that first contact?

13 A. I can't -- Maybe it's -- Within the last year,
14 I believe.

15 Q. Do you have any correspondence that
16 memorializes that first contact?

17 A. I don't . . . No. This (indicating) is very
18 recent. Everything I have on this, I think, is in here.
19 I probably no longer have that.

20 Q. Do you know when you were first provided with
21 any information to review in the case?

22 A. October 25th, 1989.

23 Q. And who did you receive that information from?

24 A. Linda Gordon at Palm Beach Medical Consultants.

25 Q. And what information did you receive in that
26 first package?

1 A. I received three sets of films. The first was
2 a series of copies of ultrasound films, dated the 5th of
3 September 1984; the second was a CT scan, dated the 23rd
4 of May 1985; and the third packet was a CT scan and an MR
5 scan, both of which were dated 17th of May 1988.

6 There was also a set of skull films in here,
7 plane films of the skull that were dated 18th of May
8 1988; and at that time I also received some medical
9 records from Flagler Hospital on Baby Girl Long, dated
10 the 2nd of September and the 3rd of September 1984.

11 Q. That's all the information you -- that you
12 received in October of '89?

13 A. Yes.

14 Q. Have you subsequently received any other
15 information?

16 A. Just some additional medical records.

17 Q. When did you receive those --

18 A. Oh.

19 Q. -- and what did you receive?

20 A. In the -- Within the last week Flagler Hospital
21 records on Maureen Long on Christina's delivery,
22 St. John's County Rescue Service Air Ambulance Associates
23 reference Baby Girl Long, and Angelita Corral, M.D.,
24 records on Baby Girl Long and Plantation General Hospital
25 records on Baby Girl Long.

26 Q. Is your practice here at U.C. related solely

1 and limited solely to radiology and neuroradiology?

2 A. Yes.

3 Q. I notice your CV says you are professor of
4 radiology as well as pediatrics and neurological surgery.

5 A. Yes.

6 Q. Are you board certified in pediatrics?

7 A. No, I'm not. And this is kind of a --
8 what's -- it's sort of an honor more than anything else
9 that -- I do participate a lot in the pediatrics
10 department in conferences and the like because I do
11 basically pediatrics neuroradiology here in addition. I
12 do general general neuroradiology, but I'm here largely
13 as a pediatric neuroradiologist, and that's why I was
14 hired here.

15 And the neurological surgery stuff is because I
16 participate -- I review either officially or unofficially
17 the scans of every pediatric patient in -- who undergoes
18 neurological surgery in the department. So the surgeons
19 also wanted to honor me with an appointment in their
20 department.

21 MR. BARNHART: Come on in.

22 MR. SCHODER: Excuse me, guys. We've been out
23 seeing most of San Francisco.

24 (Recess taken.)

25 MR. NOECXER: Q. Do you have any actual duties,
26 though, within the pediatric department or the

1 neurosurgical department?

2 A. In terms of caring for patients, no.

3 Q. Okay. And I presume you don't teach pediatrics
4 to the pediatric residents. Do you?

5 A. I teach pediatric neuroembryology and pediatric
6 neuroradiology to them, but I do not teach pediatrics to
7 them.

8 Q. How many times have you been retained by
9 Mr. Barnhart's firm to either review material or serve as
10 a witness?

11 A. This is the second time.

12 Q. Okay. Remember what the other case was?

13 A. Case's name was McLaughlin.

14 Q. McLaughlin?

15 A. Yes. It's M-c-L-a-u-g-h-l-i-n.

16 Q. That was the plaintiff?

17 A. Yes.

18 Q. Do you know who the defendants were?

19 A. No.

20 Q. Remember when that was?

21 A. Few months ago, two months ago. That's when --
22 Actually, they retained me about a year ago. I take that
23 back.

24 Q. Has your deposition been taken in that --

25 A. That was about two months ago.

26 Q. Has your deposition been taken in that case?

1 A. That was about two months ago, that deposition.

2 Q. Oh. Do you remember what attorney took your
3 deposition?

4 A. I do not. I'm sorry.

5 Q. Do you have any idea where that case was filed?

6 A. Florida.

7 Q. Okay. You don't remember the city that --

8 A. No. I'm sorry. I really don't.

9 Q. What kind of case was that?

10 A. It was similar to this one, a question of birth
11 asphyxia.

12 Q. What were your findings?

13 A. There was a sonogram that showed acute cerebral
14 edema that a week later had abated, and there is a
15 follow-up MR scan that showed damage to the cerebral
16 cortex and the subcortical white matter in the
17 intervascular boundary zone, what we called the water
18 shed zones; and I thought it was suggestive or compatible
19 with some brain damage, asphyxia.

20 Q. Did that case involve any radiologist or
21 neuroradiologist as defendants?

22 A. I have no idea.

23 Q. Okay. You didn't render any standard-of-care
24 opinions in that case?

25 A. No.

26 Q. Your opinions in this case were limited to

1 what? Causation?

2 A. My opinions were limited to interpreting the
3 radiographs and come to some conclusions as to the type
4 and time of injury and perhaps the cause of injury.

5 Q. What have you been asked by Mr. Barnhart or
6 anyone from his firm or Palm Beach Medical Consultants to
7 do in this case?

8 A. Similar. I'm not an expert in obstetrics; I'm
9 not an expert in pediatrics; and I have no opinion as to
10 the level of obstetrical or pediatric care or neonatal
11 care that was given here.

12 MR. BARNHART: Scott, Dr. Barkovich is going to look
13 at the head films and read it. That's it. That's his
14 role.

15 MR. NOECXER: Q. What's your fee arrangement with
16 Mr. Barnhart?

17 A. Mr. Barnhart pays me by the hour.

18 Q. How much?

19 A. \$400.

20 Q. How many hours have you put in on the case to
21 date?

22 A. To date? Counting this morning?

23 Q. Yes.

24 A. About two.

25 Q. How much are you charging me?

26 A. \$500 an hour.

1 Q. Not as bad as most.

2 A. What?

3 Q. Nothing.

4 MR. BARNHART: He said it was not as bad as most.

5 THE WITNESS: Is that right? Maybe I should raise
6 my fees.

7 MR. NOECKER: Q. Are you affiliated with any
8 expert-witness service?

9 A. No.

10 Q. Okay. Have you ever been?

11 A. No.

12 Q. You know what I'm referring to, these different
13 services that list doctors to help attorneys find people
14 to testify in medical malpractice cases?

15 A. I assume -- I know. I assume that that was
16 what you were referring to. I have never been in one of
17 those, and I have no intention of ever belonging to one
18 of those.

19 Q. In the last year how many times have you either
20 served as some expert or consultant in a medical-legal
21 matter?

22 A. In the last year, probably about 20 or maybe 25
23 times.

24 Q. Have you ever worked for any other Florida
25 lawyers?

26 A. I just don't recollect. I don't think so.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25
26

A. Yes.

Q. How many med-mal cases did you take on, let's say, the year prior to that, 1988?

A. Probably a lot less. Maybe ten. Probably less. Five, ten.

Q. What about '87?

A. I don't think I did any at that time.

Q. Okay. What percentage of these have been for the plaintiffs or for the defendants?

A. Probably about 80 percent for the defense and maybe 20 percent for the plaintiffs.

Q. Have you ever worked with a Florida defense firm?

A. I think I just told you that I don't think I've been retained in Florida, so -- other than this.

Q. Have you ever given any other depositions on facts in medicine related to this case?

A. No.

MR. FACCILOLO: I'm sorry I came. Hi, guys.

MR. NOECXER: Q. Have you ever testified in court?

A. Yes.

Q. Where?

A. Once in Seattle and once in San Rafael, which

1 is just ten miles --

2 Q. Was that --

3 A. -- ten miles north of here.

4 Q. Was that for the plaintiff or the defendant?

5 A. Both were for the defendant.

6 Q. Do you remember who the lawyers were you worked
7 for in those cases?

8 A. Yeah. The one in Seattle's name was Joel
9 Cunningham; and the one in San Rafael, the lawyer was
10 Robert Lynch, L-y-n-c-h.

11 Q. Is he a San Rafael lawyer?

12 A. No. He works out of San Francisco.

13 Q. Have you prepared any kind of written reports
14 or letters, any sort of memoranda, notes in this case?

15 A. No.

16 Q. You haven't ever been sued for malpractice,
17 have you?

18 A. I have not.

19 Q. I think you listed for me the -- all the
20 information and all of the records that you have received
21 from Mr. Barnhart; and they've come in two packages, once
22 last week and once on -- in October of 1989?

23 A. That's correct.

24 Q. Have you reviewed any other medical literature
25 in your efforts to evaluate this case?

26 A. Nothing in addition -- No, I haven't reviewed

1 anything specifically, other than my normal reading as
2 pertains to my job.

3 Q. Well, since you got this case, have you read
4 anything that's been particularly enlightening as to your
5 understanding of this case?

6 A. No.

7 Q. Have you brought with you everything that
8 Mr. Barnhart's provided you?

9 A. Yes. It's all right here.

10 Q. Have you come to any conclusions?

11 A. Well, it would be easier for me to explain any
12 conclusions if I could just go through the x-ray studies
13 that have been provided to me chronologically.

14 Q. That's fine.

15 A. Okay. Well, the first studies in time that
16 were provided for me --

17 Q. I'm sorry. I didn't hear what you said.

18 A. The first studies, speaking in terms of the
19 timing, okay, that were provided to me were sonograms,
20 ultrasound exams that were dated the 5th of September
21 1984. And these images were obtained through the
22 anterior fontanel, which is an area where there's no bone
23 in the top of the skull so that the sound waves can get
24 through to the brain.

25 And these images are remarkable for an area of
26 what we call hyperechogenicity. Now, all that means,

1 kind of a fancy term for saying that the area produces
2 more echoes of the sound waves. And the area where that
3 was the more echoes is right around the lateral
4 ventricles or what we would call the periventricular
5 region. And this hyperechogenicity is most commonly seen
6 as a result of edema, which is increased fluid in the
7 spaces between the cells: and it's more -- most commonly
8 occurs as a result of injury to the brain.

9 Now, there are two different intensities of
10 hyperechogenicity in this area. There's a kind of
11 diffused hyperechogenicity around the ventricle, and
12 within that broader area there are several focal areas
13 that are much darker or even more echogenic; and my
14 interpretation of this is that there's some
15 periventricular edema with probably some foci of
16 hemorrhage within it. And the hemorrhage -- there is
17 a -- the most echogenic-presumed hemorrhage is most
18 prominent posteriorly around the trigones of the lateral
19 ventricles and anteriorly around the frontal horns of the
20 lateral ventricles.

21 MR. BARNHART: Would you tell us which actual study.
22 Is that marked out so we can --

23 THE WITNESS: It's not on these -- On sonograms what
24 you do is: It's a real-time exam so that you're looking
25 at the brain; and whenever you get a good image, you just
26 shoot it. And it's done on -- usually done on

1 sometimes -- usually with kids in the neonatal ICU --
2 intensive care unit. They take the machine up with the
3 videotape and then you bring the machine back down with
4 the videotape and you play the videotape on the camera,
5 and then you shoot the images at that time. So it's not
6 like a CAT scan or an MR scan where you go methodically
7 through the head and get images in a particular order.

8 MR. BARNHART: Oh.

9 THE WITNESS: How methodical it is depends how
10 methodical the person who actually does the scanning.

11 MR. BARNHART: For the record, we're using the
12 middle two images and the one on the lower right-hand
13 corner. Is that the three you talk about?

14 THE WITNESS: Yeah -- well -- Yes.

15 MR. BARNHART: Sorry to go through, but you wanted
16 to make a --

17 THE WITNESS: And all the images show basically the
18 same thing. The ones that we just pointed out are
19 predominantly in the coronal plane, and that's where this
20 "COR" in the upper left stands for. And coronal just
21 means cutting the brain from front to back or imaging the
22 brain from front to back, And the abnormal areas are
23 substantiated or verified, I guess, on sagittal images.

24 Sagittal images means cutting the brain from
25 side to side. And when you cut the brain from side to
26 side you can again see in the periventricular area

1 dorsally around the trigone zone hyperechogenic areas and
2 within it some areas that are even more hyperechogenic or
3 even more echogenic. So everything that we see on the
4 coronal images is verified on the sagittal images. So if
5 I see it on two planes, you're sure it's not some sort of
6 artifact and it's real.

7 Now, there are basically two conditions in
8 which you see this kind of pattern on sonograms in a
9 young kid. And the first one is if there's a meningitis
10 with the ventriculitis, okay. And what happens is: The
11 infective process starts in the ventricles and spreads
12 out in the adjacent brain and causes edema and hemorrhage
13 and cavitation. However, from reading through the
14 records, I saw that they did a lumbar puncture and that
15 there was no evidence of meningitis. So it's extremely
16 unlikely that this was a result of infection.

17 The other cause for this in a premature kid --
18 and this goes up through about 35 or so weeks of
19 gestation, gestational age -- you see this pattern due to
20 asphyxia. And it's theoretically because the water shed
21 areas of the brain, those areas that are the most
22 susceptible to asphyxic damage are in the periventricular
23 region in premature infants; and it stays in the
24 periventricular region up through about 35 weeks
25 gestational age, and then it starts moving peripherally
26 out to the surface of the cerebral cortex.

1 So since we know this child was 33 or 34 weeks,
2 this is a common -- this is where you would expect
3 asphyxic damage to occur. And on the basis of these
4 scans I would predict that there was ischemic damage to
5 the brain resulting in this increased number of echoes
6 around the ventricles and that the areas that are even
7 more echogenic are probably little areas of hemorrhagic
8 necrosis. So there's probably some edema and ischemia
9 with some superimposed hemorrhagic necrosis of the brain
10 out there.

11 MR. NOECKER: Q. What is the significance of your
12 finding of the hemorrhagic necrosis?

13 A. Well, I -- there are people -- I don't think
14 it's well-proven, but there are people --

15 Q. You don't need to put those away. I need to
16 follow up on a few of the things, ?

17 A. Certainly. There are a few people that think
18 that the areas that undergo hemorrhagic necrosis suffer
19 or are damaged more severely than the other areas. So
20 that's -- I guess that's my interpretation of the
21 sonograms.

22 Q. At -- As you look at the sonograms, can you
23 come to any conclusion as to when the hypoxia occurred?

24 A. Well, from this degree of edema, we know that
25 edema is maximum between about two and five days after an
26 insult. So, you know, again, you need comparison studies

1 to really firmly state whether this is maximal edema or
2 whether it might be on the down side or on the up side.
3 But I would say that probably between about the -- let's
4 see, these were done on the -- around noon on the 5th of
5 September. So I would say sometime between about the 1st
6 of September, maybe the 31st of August, and the 3rd,
7 maybe as late as the 4th of September.

8 Q. And how do you reach that conclusion?

9 A. Well, as I said, edema is maximal within about
10 two to five days. And by about a week the edema's
11 usually fairly minimal if there was an insult to the
12 brain, and more than a week you don't usually see it. As
13 a matter of fact, I've seen a lot of cases where a week
14 out you don't see any edema anymore. You have to look
15 real hard for it.

16 And it takes a while for it to be generated.
17 You know, you have an insult and then there's damage to
18 the vessels; and then the blood -- or the serum,
19 actually, from the blood vessels starts leaking out into
20 what we call the interstitial space, which is the space
21 between the cells. So that takes a while. So you
22 usually don't see it for about 24 hours. And then after
23 about five or six days you usually stop seeing it. So if
24 you use that kind of time frame, it comes out to around,
25 you know, between about the 31st or 1st and about the 3rd
26 or 4th of -- 31st of August, 1st of September, and about

1 the 3rd, maybe 4th of September.

2 Q. Okay. Is there anything that you read in any
3 of the ultrasounds that would cause you to think that any
4 of this was caused prior to August 31st?

5 A. I don't see anything on here. You know, if it
6 were -- the things I'd be looking for would be some sort
7 of a cavitation, okay, because it takes a while if you
8 have an area of brain damage for it to actually cavitate
9 and form a hole, if you will; and there's no evidence of
10 cavitation here yet. So I don't think it was before that
11 time. I would be expecting to see further evolution of
12 these changes by now.

33 Q. Other than what you've already told me, is
14 there any other conceivable cause really for the pattern
15 that you're seeing there on the sonogram?

16 MR. BARNHART: Let me object. "Conceivable cause"
17 is not a legal standard. Go ahead.

18 MR. NOECKER: Q. Well, it conceivably could be in a
19 differential diagnosis?

20 A. It's a real specific pattern. It's not a good
21 pattern for metabolic disease, which is much more
22 diffuse. It's not a good pattern for an -- you know, for
23 a direct kind of injury or for most inflammatory
24 processes.

25 Really about the only things that cause this
26 kind of periventricular damage in a premature newborn are

1 infection and asphyxia, hypoxic ischemic injury. I would
2 expect the rest of the brain to be a lot more affected
3 than most other processes. It's very localized and,
4 therefore, seems very specific.

5 Q. As you know, we have Hemophilus flu as
6 infection is an issue in this case.

7 A. Right.

8 Q. Have you ever seen a correlation between these
9 sort of patterns and a -- that kind of prenatal
10 infection?

11 A. Well, in -- if you had H flu meningitis, okay,
12 H flu, or Hemophilus influenza meningitis, can certainly
13 devastate a brain; and I've seen that. But number one,
14 it affects the brain more diffusely than this. If --
15 just compress vessels or get into vessels and narrow
16 vessels and cause strokes, but it tends to -- when it
17 surrounds a vessel like that and invades a vessel and
18 causes strokes, it tends to be more peripheral. It tends
19 to involve the cerebral cortex and not the
20 periventricular region. When I say, "the periventricular
21 region," you think of what we call a ventriculitis where
22 the infection involves the ventricles and then spreads
23 out in the periventricular area. But in both of those
24 cases a parent should have a grossly positive lumbar
25 puncture. And meningitis is not subtle on lumbar
26 puncture. And for -- to have this kind of an infection

1 n the face of a normal lumbar puncture, I think, is
2 ssentially impossible.

3 (Discussion off record.)

4 MR. NOECKER: Q. If you had an anoxic episode, say,
5 ack in the fourth or fifth month of pregnancy, what kind
6 of a pattern would you expect from that? Is that where
7 you would expect to see the holes?

8 A. Well, in the fourth or fifth month --

9 Q. I'm obviously using that as an example. If
10 it's something more --

11 A. Well, what you have to remember is that the
12 pattern of damage that you're going to see is going to
13 depend, to a large extent, on the age of the fetus at the
14 time. So an anoxic event that -- at 8 weeks will give a
15 totally different pattern than an anoxic event at 15
16 weeks, or an anoxic event at 25 weeks which would be
17 different than what you see at 34 weeks. So I can't say,
18 For example, such and such. You tell me the time, and
19 I'll tell you what you'll see.

20 Q. All right. Is there anything that you would
21 see in any -- anoxic intrauterine event prior to 32
22 weeks --

23 A. Okay.

24 Q. -- that's -- that you don't see any evidence
25 of on these films?

26 A. Let me -- Repeat your question so I'm sure I

1 understand what you mean.

2 Q. Okay.

3 A. Is there --

4 Q. Go ahead.

5 A. Is there something that I would expect to see
6 that I don't see?

7 Q. Yes. On any sort of anoxic event at any time
8 during the pregnancy up through 32 weeks.

9 A. Well, that's a really complicated question.
10 But -- okay. I don't see any schizencephaly; I don't see
11 my lissencephaly.

12 Q. Why don't you explain what they are as you go
13 along.

14 A. Okay. I'll tell you, up to about 20 to 22
15 weeks, if there was an asphyxic event, you'd expect to
16 see congenital brain malformation, okay. Might be a
17 genesis of the corpus callosum: might be a neuronal
18 migration anomaly. If it was early enough it might be a
19 holoprosencephaly, which is a very severe anomaly.

20 When you start getting up to about -- and I
21 don't see any evidence of a congenital brain abnormality.
22 So then you start getting into the time when you start
23 getting to 23, 24, 25, 26 weeks; and at that time you'll
24 see a loss of brain tissue but you don't see any scarring
25 yet because the brain -- up in about 27 or 28 weeks the
26 brain doesn't have the ability to form scar in response

1 to injury. So at about 24, 25, 26-week injury you'll see
2 loss of brain tissue; you'll see expansion of the
3 ventricles; but you won't see any scarring around the
4 ventricles. And we see -- on later films on the MR we
5 see scarring. So that means that it was at least 28
6 weeks.

7 Now, if there were an injury at 28, 29, 30,
8 even 31 weeks, -- let's see, the kid was -- we don't know
9 exactly how old she was when she was born, but take 33 or
10 34, I would say up until even 32 weeks I would expect to
11 see some further progression of these areas of
12 hemorrhagic necrosis in that I'd expect you to start to
13 see some cavitation within them. So if you put the MR
14 together with sonogram, I just don't see how this could
15 have occurred back then. It looks like something that
16 was subacute at the time of this scan.

17 And I don't see anything else, such as a
18 congenital brain malformation or enlargement of the
19 ventricles, at this time in response to a prior injury
20 that would suggest something that happened at 24 to 28
21 weeks. So to answer your question, I don't see anything
22 here that indicates some prior injury or insult; and I
23 don't see anything on the other studies that we haven't
24 talked about either.

25 Q. So it's your testimony that you're looking at
26 possibly two, three days before birth up until two, three

1 days post birth as your window of --

2 A. Yes.

3 Q. -- in which this damage could have occurred?

4 A. Yes.

5 Q. Is there anything to indicate here that this
6 was caused by either acute hypoxia or a chronic hypoxia?

7 A. I would say that these findings are a lot more
8 compatible with a more chronic nature of hypoxia.

9 Q. Explain to me what you mean by "chronic."

10 A. Okay. Here is my definition of acute and
11 chronic. I like to differentiate these cases into two
12 categories. What I call acute total anoxia, and what
13 that usually refers to is either a complete
14 cardiorespiratory arrest with no blood and no oxygen
15 getting to the brain or perhaps a severe abruption, again
16 with no blood getting to the brain. You see a different
17 pattern in that than you see in some kid who's had
18 hypoxia or hypotension for a prolonged period of time.
19 And this looks more like the type of damage you see with
20 hypoxia or hypotension for a prolonged period of time.

21 (Telephonic interruption.)

22 Q. When you speak of prolonged period of time, do
23 you have an opinion as to how long she suffered a chronic
24 period of hypoxia?

25 A. I don't have enough data to say whether -- I
26 mean, I would say it was probably at least half an hour,

1 but it could be up to probably a couple of days. I just
2 can't -- I don't know. I don't have the -- I don't have
3 the knowledge to be able to say that.

4 Q. Is there something that you could be provided
5 with to further define your conclusions in that regard,
6 or is it you're deferring to another expert?

7 A. I don't think -- I don't think anybody knows.
8 I don't think anybody -- There's a combination of
9 factors, okay. It's how severe the hypoxia and
10 hypotension is compounded upon how long it was. I mean,
11 so if you have a more severe asphyxia for a shorter
12 period of time or a more -- less severe asphyxia for a
13 longer period of time, they'll probably -- you'll
14 probably end up with a very similar picture.

15 Q. After reviewing the medical records in this
16 case that Mr. Barnhart provided you, did those help you
17 come to your conclusions? And if so, what in there did
18 you base your opinion on?

19 A. They really didn't help me a lot. Again, I'm
20 not here to talk about any other clinical care. I'm just
21 here to talk about what I can glean from the x-rays.

22 MR. BARNHART: Scott, you may want to go through the
23 MRIs because a lot of these questions deal with all of
24 them.

25 MR. NOECKER: Okay.

26 Q. Why don't we do that. Go to the next films,

1 and why don't you just for the record tell the Court
2 Reporter what you're working on.

3 A. Okay. Okay. Well, chronologically the next
4 films were a CT scan from Shands Hospital, and this is
5 dated the 23rd of May 1985.

6 Q. Did you -- Before we go on, did you read any of
7 the reports that accompanied any of these films?

8 A. No.

9 Q. Is there any reason you didn't?

10 A. Because I -- it wouldn't have affected what I
11 had to say, and I don't like to do that. I -- frankly.
12 I just don't like to read in these cases what other
13 people have said. I don't want to clog up my mind.

14 Q. Okay.

15 A. Okay. The CT scan from May of 1985 is -- I'd
16 like to dispense this real quickly because it's just
17 awful, okay. **It's** a very -- It's an old machine. It's a
18 poor-quality scan. There's a lot of movement by the
19 patient which distorts it even further.

20 And I will say that the ventricles are big and
21 they have kind of an irregular contour which suggests the
22 sequela of periventricular brain damage. And we saw
23 periventricular brain damage on the sonogram. So
24 that's -- unless you have specific questions, I just
25 assume move on. There's nothing on this film to indicate
26 that there was any change between the appearance of the

1 brain at this time and the appearance of the brain on the
2 scan in 1988.

3 Q. Okay. Fine.

4 A. Okay. And now I'm putting up the -- some films
5 From an MRI scan, magnetic resonance imaging scan, which
6 is dated the 17th of May 1988. And there are four sheets
7 of films from this MR scan. And there are three of them
8 that show most of the findings, and so I'll deal
9 primarily with them, and I'll get -- okay.

10 Now, the way this scan was done, the advantage
11 to MRI -- or MR we call it now -- is that you can image
12 the brain in any plane, okay. You can image it from side
13 to side. You can image it from front to back. You can
14 image it from top to bottom. You can image it obliquely,
15 and this was done pretty standardly.

16 This was one sequence which what we call a
17 sagittal sequence imaged from side to side. There's one
18 sequence we call an axial sequence imaged from top to
19 bottom, and then there's a sequence that's called the
20 coronal sequence which is imaged from front to back.

21 The major finding on the sagittal image, the
22 side-to-side image, is that the corpus callosum, which is
23 the white matter tract or set of white matter tracts that
24 connect the left hemisphere of the brain to the right
25 hemisphere of the brain, is much too thin, okay, which --

26 Q. What's the significance of that?

1 A. When you you see a thin **corpus** callosum, it's
2 strongly suggestive that there's been damage to most of
3 the white matter tracts. Okay. Those are the axons that
4 connect one part of the brain to another. The axons are
5 the little fibrils that extend out and connect one neuron
6 through another. And when you see a thin corpus callosum
7 Like this, strongly suggest that there's been a lot of
8 damage to the cerebral white matter, to the axons.

9 And in -- that impression is really verified on
10 the coronal and axial scans. I will look at the coronal
11 scans, cut the brain from front to back. The first
12 finding is that almost all levels of the brain -- here we
13 are in the front: here we are in the back: so it goes
14 from front to back this way.

15 Q. Why don't you -- When you're referring to
16 those, let's -- for the record let's read them from left
17 to right; that would be the 1, 2, 3 across, 4, 5, 6, 7,
18 8, 9, 10, 11, 12.

19 A. So I'll refer to it as "row" and "column."

20 Q. Why don't you -- and if you're -- okay.

21 A. There are actual y image numbers on each of
22 these.

23 Q. That's fine.

24 A. So what we are looking at is series No. 3 which
25 is coronal, okay. And in series No. 3 on all of the
26 images you can see the lateral ventricle. I'm pointing

1 out image No. 3. You can see the gray matter from the
2 cerebral cortex comes all the way down to and essentially
3 touches the lateral ventricle. Now, there should be a
4 thick layer of white matter between the ventricle and the
5 cortex. In a normal brain there's always a layer of
6 white matter. And when you see the cortex coming all the
7 way down to and touching -- essentially touching the
8 lateral ventricle it means that there's been a severe
9 insult to the cerebral white matter which is in the
10 periventricular region.

11 As we come from the back to the front, -- I'm
12 now looking at images 6 and 7 and 8 -- you also see some
13 area of hypointensity, some dark signal adjacent to the
14 ventricle in the white matter.

15 Q. What's the significance of that?

16 A. Okay. Now, the white matter is normally white.
17 This is damaged brain.

18 MR. BARNHART: Can you see that?

19 MR. NOECKER: M-hm.

20 A. Okay. This is damaged brain. And you can see
21 it on both sides on several images. And as we come
22 forward (indicating), we still see it. So there's -- you
23 can see some residual damaged brain, but the most
24 striking finding is that there's just not enough brain.
25 There's not enough white matter here. All the way from
26 the back of the brain to the front of the brain the white

1 matter's missing in the central portion. Now,
2 peripherally, we still see white matter. What we call
3 subcortical white matter is still present. But the
4 periphery -- the central white matter is gone.

5 And the -- now I'm going to look at sequence 2,
6 okay, or series 2; and these are axial scans to the
7 brain. And one thing you'll notice here is that the
8 cerebral ventricles are now relatively bright as opposed
9 to on these -- in series 2 where the ventricles are dark.
10 That's because this is done slightly differently. This
11 is what we call a T2 weighted image.

12 What we do is just change the parameters that
13 we use to obtain the scan, and what you do is: You make
14 water or tissues with a lot of water look bright. Now,
15 on these scans the white matter should look dark, and
16 what we see is -- around the ventricles we see a lot of
17 very bright tissue. This is tissue with increased water
18 in it, and it's what we call gliosis.

19 Q. Called what?

20 A. G-l-i-o-s-i-s. Gliosis is a fancy word for
21 scar, okay. When the brain is injured, it responds by
22 increasing the number of astrocytes, and the astrocytes
23 there send out more process in the damaged area. Now,
24 another name for astrocytes is glial cells.

25 So when you get a proliferation of astrocytes
26 the medical term is gliosis. Basically what it is is

1 scar. And the scar is all in the periventricular area,
2 which is exactly where we saw the damage on the sonogram.
3 and this is exactly the place and exactly the same
4 pattern of damage that you see in kids who have asphyxic
5 injury in the premature-age group up through about 34,
6 maybe 35 weeks. So those are the -- really the
7 significant findings on the MR.

8 There's -- The CT done the same day really just
9 shows the same findings, only CT isn't as good for
10 showing the gliosis. So all we see is a paucity of white
11 matter and -- and then I guess that's really about it.

12 So those are the findings, and the findings of
13 all of the scans are consistent with an insult to the
14 brain -- insult to a premature brain, such as this child
15 was, and consistent with that -- although the CT and MR
16 Scans are real nonspecific as to the timing. The
17 sonogram is strongly suggestive of this occurring in a
18 window of time of about maybe, oh, one or two to five or
19 six days before it was obtained, which would be right
20 around the time of birth.

21 Q. And there's a reason for that is because you
22 didn't find any of the holes that you would have
23 anticipated had an anoxic event occurred prior to that
24 time?

25 MR. BARNHART: Objection to the form. He gave a
26 great more deal of testimony than simply not finding

1 holes.

2 A. You can -- I'll take that. There are certainly
3 holes that can occur at that time; but there weren't any
4 holes in the brain at the time of the sonogram, which
5 indicates that there wasn't -- that the damage that
6 occurred didn't occur weeks before the time the sonogram
7 was done. It takes basically a week or two -- couple of
8 weeks for those holes to appear. We didn't see any
9 evidence at the time of the sonogram.

10 Q. Okay.

11 A. And there are holes in the brain here. There's
12 no question about it. But, you know, you can time when
13 they occurred from the sonogram. I would say that if you
14 didn't have that sonogram, that it would be much more
15 difficult to time the insult because I'm -- just on the
16 basis of the CT and MR, it could have occurred any time
17 after about 27 or -- 27 weeks; but the sonogram shows
18 changes that are very specific for a recent insult to the
19 brain.

20 Q. Do you have an opinion after looking at the MRs
21 and the CTs as to how you would expect or how
22 Christina -- how you would expect Christina to manifest
23 this in either motor or intellectual deficits?

24 A. Well, with this type of damage, these kids
25 almost always have significant motor deficits and they
26 tend to be spastic. With this much damage I would expect

1 her to basically be quadriparetic. You know, again, she
2 may have a little more function in her arms or in her
3 legs or on one side or the other, but basically she
4 should be spastic and quadriparetic, and she probably
5 would have significantly more motor dysfunction than
6 intellectual dysfunction because usually these insults
7 get the motor tracts, and intellectual function's usually
8 normal or near normal. I would expect that she may have
9 had initially some visual problems because the optic
10 radiations are in the area that are affected, but
11 usually -- I co-authored a paper on it -- the deficits --
12 that deficit improves with time.

13 Q. I want to cover that a little bit -- in a
14 little bit more detail as to what you just related as to
15 her potential optic problems.

16 A. Yeah. ?

17 Q. Are there any indications there of frontal lobe
18 abnormalities?

19 A. Sure.

20 Q. Okay.

21 A. I don't know what that relates to.

22 Q. Explain in a little more detail, which I think
23 what you started to explain there, as to optic problems.

24 A. We did a paper. I was, I think, the fourth
25 author on the paper out of the ophthalmology department
26 here where we looked at kids with hypoxic-induced

1 cortical blindness. It's one of the references I checked
2 fo'r you. And what we found was that the most -- the area
3 that's most sensitive in picking up these problems are
4 the optic radiations. And the optic radiations run right
5 back along the occipital horn to the lateral ventricles.
6 And that area looks damaged.

7 Mow, when we looked at these kids who have
8 so-called cortical blindness we found that although some
9 of them had diminished visual acuity initially, that a
10 lot of them came back and eventually developed good
11 visual acuity. But again, the ophthalmologist talked
12 about the visual acuity. I reviewed the scans for him
13 blindly and looked at four or five different areas of the
14 brain, gave them the results of which areas were the most
15 severely affected, and then they statistically correlated
16 it with the kid's vision. And I just -- I would refer
17 you to that article.

18 Q. Okay.

19 A. "Reviewing the scans blindly" was a bad choice
20 of words.

21 MR. BARNHART: No pun intended.

22 MR. NOECKER: Q. Are there any other radiographic
23 exams that you have recommended that she undergo?

24 A. No.

25 Q. Okay. Do you see any evidence of any scarring
26 of the optic nerve from any prenatal infection --

1 A, These scans aren't --

2 Q. -- on anything that you reviewed?

3 A. Well, no. There's not enough information on
4 these scans. In order to look at the optic nerve you'd
5 need to do the scan differently; and, you know, I can't
6 even tell you if the optic nerves are atrophic or not on
7 the basis of the scan. The cuts are much too thick. I
8 just -- It's just not done. It wasn't done for that
9 purpose.

10 Q. I understand. At this stage of her development
11 could those -- could that exam be done if you were -- if
12 you wanted to determine whether or not there had been any
13 optic nerve scarring?

14 A. Well, you could look at the optic nerves
15 specifically, and you could get some information as to
16 whether there's scarring or not: but I'm not sure you
17 could determine whether the scarring was a result of
18 infection or perhaps asphyxic-type injury.

19 MR. BARNHART: Scott, off the record.

20 (Discussion off record.)

21 MR. NOECKER: If somebody else wants to ask you some
22 questions, I want to look back through my notes. I think
23 I'm just about done.

24 MR. BARNHART: Anyone else?

25 MR. BULLOCK: My turn next? I have to look at the
26 guest list.

1 MR. BARNHART: Go ahead.

2 MR. BULLOCK: Dr. Lee didn't come. Didn't care
3 anymore.

4 **EXAMINATION BY MR. BULLOCK**

5 MR. BULLOCK: Q. Dr. Barkovich, inquiring on -- on
6 behalf of the physician Dr. Whitlock, is it true that the
7 only type of infection you considered was a meningitis,
8 that is to say, in trying to determine to your
9 satisfaction what might have caused this ischemic
10 incident?

11 A. Well, I think that's the main thing that you
12 want to rule out with that kind of acute picture. There
13 are a lot of congenital infections that can involve --
14 so-called TORCH infection, toxoplasmosis, rubella,
15 herpes, encephalitis, syphilis, cytomegalo virus.
16 There's a lot of them. But most of those are not acute
17 at this time. Most of the ones -- The general -- The
18 general feeling about those viruses -- and, you know, I
19 have a lot of about it again in that textbook is that if
20 they occurred during the first trimester they're
21 devastating. If they occurred during the second
22 trimester they are rather less so. And if they occurred
23 during the third trimester they're relatively minor. And
24 you add to the fact that this -- you know, again, without
25 the ultrasound, I think you can make a stronger case for
26 this being some sort of a congenital infection, perhaps.

1 but it's ongoing at the time on the 5th of September.
2 it's still acute.

3 Q. Now, did you find in the records you reviewed
4 that this child was thought to be septic at the time she
5 was --

6 A. Yes.

7 Q. -- immediately post birth?

8 A. Right.

9 Q. And that that was subsequently confirmed?

10 A. Yes.

11 Q. And a septicemia does not necessarily involve a
12 meningitis or any, per se, infection of the brain tissue,
13 does it?

14 A. No.

15 Q. What is a septicemia in medical terms?

16 A. Well, it's a generalized infection.

17 Q. That the blood is carrying infective organisms
18 everywhere it goes?

19 A. Yes, yes.

20 Q. Does that include in the case of Christina that
21 the blood was carrying infective organisms throughout her
22 brain tissue?

23 A. Well, it was -- you have to differentiate the
24 blood from the brain because there's a blood-brain
25 barrier, and everything that's in the blood doesn't get
26 into the brain. As a matter of fact, the brain is the

1 only organ that has an in-tact barrier between the blood
2 vessels and the parenchyma, and only certain small
3 molecules get through there. Glucose gets through this
4 and a few others. But other than that, there has to be
5 active transport across the barrier so --

6 Q. Do the waste products of bacteria go through
7 the brain barrier and enter the brain tissue?

8 A. Endotoxin can, I believe.

9 Q. Endotoxin produces tissue swelling in the area
10 served with the blood?

11 A. Yes.

12 Q. Tissue swelling is probably what causes the
13 ischemia that was injurious to Christina, was it not?

14 A. Yes.

15 Q. So the swelling that you see that shut down the
16 nourishment of that area of brain could have as easily
17 been produced by endotoxic wastes of blood-born infection
18 as by lack of oxygen, could it not?

19 A. Well, to the best of my knowledge, Hemophilus
20 influenza does not produce endotoxin.

21 Q. Is it harmless to brain tissue, its waste
22 products?

23 A. Well, when we see H flu infections, Hemophilus
24 influenza infections, we don't see this. When we see H
25 flu meningitis, we see terrible brain destruction. But
26 in patients with, say, an H flu pharyngitis or pneumonia,

1 it's not common or I don't recall seeing brain damage
2 without actual brain infection.

3 Q. Now, you've taken us back to sites of infection
4 with H flu in your experience, have you not, including
5 the lungs with an H flu pneumonia, the meninges with a
6 meningitis; but have you seen cases in which the
7 septicemia has a widespread carrying of H flu, that is,
8 throughout the bloodstream?

9 A. Yes.

10 Q. And that is -- do you know of any localized H
11 flu infection that was demonstrated in Christina at any
12 time?

13 A. I'm trying to think back through the records.
14 I didn't -- No, I don't believe so.

15 Q. So essentially and crudely put, her blood was
16 full of H flu, but there was no particular organ which
17 this was **found** to be specifically infected with it?

18 A. That's what I recall.

19 Q. And H flu does have an endotoxin?

20 A, It does? I'm asking a question. It does?
21 Because I didn't believe that it does.

22 Q. If we assume that the ischemic changes you've
23 observed in Christina's brain were due to a chronic
24 hypoxia or low oxygen level, would that be consistent
25 with several hours of blood oxygen of less than 40?

26 A. Well, I think I already answered that question

1 :hat I think there were several combinations of blood
2 oxygen level and duration that could cause this.

3 Q. Anywhere from a half an hour to several hours,
4 you felt?

5 A. Well, I think --

6 MR. BARNHART: I think it was a half hour to several
7 days.

8 THE WITNESS: Yeah.

9 MR. BULLOCX: Q. I was trying to get a more
10 definite answer than -- but you'll stand with what the
11 record says so far?

12 A. Yeah, I don't have a more definite answer. If
13 I did, I would have given it the first time.

14 Q. And high potential would be a low blood volume
15 and, therefore, a lessened ability of the blood to
16 transport oxygen to the brain cells?

17 A. Well, not necessarily a lower blood volume, but
18 it can be due to lack of pumping of the heart. It's just
19 less blood getting to the brain. It doesn't necessarily
20 mean that there was less blood volume. But yeah, less
21 blood and, therefore, less oxygen getting to the brain.

22 MR. BULLOCX: I have no further questions.

23 (Discussion off record.)

24 **EXAMINATION BY MR. FACCILOLO**

25 MR. FACCILOLO: Q. Doctor, when you looked at the
26 earliest studies of Christina's brain they indicated

1 enlargement of the lateral ventricles, did they not?

2 A. No, not the sonogram, which was the earliest
3 study.

4 Q. Did you have a CAT scan study from Plantation
5 Hospital of September the 23rd?

6 A. No.

7 Q. And you were provided no report of that CAT
8 scan by Dr. Ayjee (phonetic)?

9 A. I haven't -- I haven't seen the report, and I
10 haven't seen the CAT scan.

11 Q. Excluding any other contributing condition or
12 pathology, would you expect an injury that occurred
13 secondary only to a hypoxic ischemic injury at this time
14 of life, 31st weeks' gestation in the fetus, to create a
15 significantly marked dilatation of the ventricles on one
16 side of the brain and obviously to a much lesser extent
17 on the other?

18 A. I've seen cases like that. There's actually a
19 report out of the University of Cincinnati; the senior
20 author was Myers, M-y-e-r-s, where he showed
21 experimentally that you can get asymmetric brain damage
22 and hypoxic ischemic injury in monkeys, and he undertook
23 that after he saw a couple of examples in humans of
24 asymmetric brain damage. So I guess I'm not that
25 surprised.

26 What surprises me more than that is that the

1 amount of brain damage seems very symmetrical in 1985,
2 May of '85, the first CT scan that I was given, and in
3 September of '88. So I guess I'd be a little puzzled if
4 there was marked asymmetry of the ventricles at that time
5 and not later.

6 Q. If we assume that condition to exist, have you
7 any explanation for the process that would explain such a
8 change from the early scans to the later scans, if in
9 fact the early scans demonstrated marked dilatation on
10 the right side of this child's brain and then the later
11 scans showed a more symmetrical damage to --

12 A. Well, I would prefer to see the scans rather
13 than comment on a hypothetical scan. I can come up with
14 a couple of scenarios that could do that, but, you
15 know, -- but I don't -- but I'd like to see the scan
16 because a lot of times the scan is done a little
17 asymmetrically, and it makes one ventricle appear bigger
18 than the other one when in fact it's not. A lot of times
19 there's some low density in the white matter around the
20 damaged ventricle, which people misterm as an enlarged
21 ventricle. So I would just -- I guess I would prefer to
22 see the scan before I would render judgment on that.

23 Q. Based on the scans or studies that you've had
24 an opportunity to have reviewed, would you anticipate
25 that the motor loss suffered by this child would be
26 basically symmetrical or that she would have a

1 substantially greater damage or -- near the left or the
2 right side of her body?

3 A. Based on these scans, I would expect it to be
4 roughly symmetrical. Yeah, I would expect it to be
5 roughly symmetrical. Again, you know, as I stated
6 earlier, sometimes one side of the body's a little more
7 affected than the other, the arms more or the legs more
8 than the arms. But I can't on the basis of this scan
9 predict that one side would be significantly more
10 affected than the other, no.

11 Q. With the sonogram, the earliest sonogram that
12 you had available to you, -- which was dated when?

13 A. The 5th of September.

14 Q. The year of the child's birth?

15 A. Yeah.

16 Q. -- could you exclude from that sonogram the
17 presence of some degree of hydrocephalus?

18 A. I think so. The ventricles are very small. I
19 don't think there's any degree of hydrocephalus there.

20 Q. If within two weeks the ventricles of the right
21 side became significantly enlarged, would some degree of
22 hydrocephalus tend to explain that pathology?

23 A. Well, it's real hard to explain hydrocephalus
24 enlarging one ventricle and not the other. So I don't
25 think so. I mean, hydrocephalus is a symmetric process
26 usually, and every once in a while you can get a -- very

1 ocalized lesions. It's usually a tumor at the outlet of
2 ne of the lateral ventricles that will cause enlargement
3 in one ventricle and not the other, but it's real common.
4 I don't think that since we have follow-up scans that
5 don't show a tumor, it's unlikely that there was one
6 there.

7 MR. FACCIOLO: Thank you, Doctor. That's all.

8 MR. BARNHART: No questions.

9 MR. SCHODER: Doctor, I have one.

10 THE WITNESS: Sure.

11 **EXAMINATION BY MR. SCHODER**

12 MR. SCHODER: Q. My name is Tony Schoder, and I
13 represent Dr. Padron.

14 With regard to this window in which you've
15 indicated that the sonogram reflects edema which would
16 have taken place wherever hypoxic event had caused it
17 would take place one, two days before and up to three or
18 four days after birth, -- or is that the right time? Or
19 whatever time you said.

20 A. Yes, that's approximately right.

21 Q. Okay. Somewhere in the first of the two
22 explanations you gave you said something about 24 hours,
23 that you would not expect to see anything on a sonogram
24 until at least 24 hours after the hypoxic event. What
25 was that about?

26 A. It takes a while to produce the edema or for

1 the edema to become severe. What happens is that when
2 you have -- I mean, I'm talking about asphyxic damage
3 here as a rule. The asphyxia causes damage to the blood
4 vessel because the blood vessel is not getting enough
5 oxygen either, and so it stops performing its metabolic
6 activities, the cells and the blood vessel wall. And so
7 the blood-brain barrier that I talked about starts to
8 deteriorate, and fluid from in the blood vessel starts to
9 leak out into the area between the cells; interstitial
10 space we call it. It takes a while for that to happen.
11 But -- and exactly how long it takes, I guess, is a
12 matter of debate.

13 But you don't usually start seeing edema on a
14 sonogram. If -- We usually start seeing it in a day or
15 so. And I don't think 24 hours is rigid. You know, you
16 could probably -- you could probably see it as soon as 12
17 hours.

18 Q. Could you see it as late as 36 or 48 hours
19 after a hypoxic incident?

20 A. You'd see it at that point, but the onset
21 would -- which would almost certainly be before that
22 because within about 48 hours the edema starts becoming
23 maximal; and then it stays maximal for -- like I said,
24 from about two to five days, and then it starts to abate.
25 So I'd be surprised if you didn't see it for 24 -- for --
26 I would be surprised if you didn't see it for 36 or 48

1 | hours.

2 But none of those numbers are absolute. And I
3 think, you know, -- I think, as I said, you know, the
4 scan was probably five or six days to one or two days
5 after the -- after whatever event occurred. And -- but
6 I'm not saying, you know, bang bang.

7 Q. Is there any way other than looking at those
8 sonograms as to whether or not any of the edema seen on
9 the sonogram had occurred in fact within two days of
10 making of the sonograms that you know?

11 A. There's no way to tell.

12 MR. SCHODER: Thank you, Doctor.

13 MR. WIESENFELD: Doctor, have you formed any
14 conclusions or opinions in this matter in addition to
15 what you've already expressed during the course of this
16 deposition?

17	THE WITNESS: No.
----	------------------

18 MR. WIESENFELD: Thank you.

19 | ///

20 | ///

21 | ///

22 | ///

23 | ///

24 | ///

25 | ///

26 | ///

1 (WHEREUPON, Defendant's Exhibit A was
2 marked for identification.)

3 (Whereupon, the deposition of ANTHONY JAMES
4 BARKOVICH concluded at 10:45 a.m. on April 23, 1990.)

5 ---000---

6 I declare under penalty of perjury that the
7 foregoing is true and correct, including any changes I
made in my answers today.

8 Dated _____ at _____
9 (City, State)

10 _____
11 ANTHONY JAMES BARKOVICH, M.D.

12
13 Subscribed to before me this ____ day of _____, 1990.

14
15 _____
16 NOTARY PUBLIC, STATE OF CALIFORNIA


17 PRINCIPAL OFFICE: COUNTY OF _____ .
18
19
20
21
22
23
24
25
26

STATE OF CALIFORNIA)
)
COUNTY OF SAN MATEO) **ss.**

I, CHRISTINE M. NICCQLI, Certified Shorthand Reporter, License No. **4569**, and a Notary Public in and for the County of San Mateo, State of California, do hereby certify:

That the deponent in the foregoing deposition was by me duly sworn and that this transcript is a true record of the testimony given and of any changes made by said deponent.

IN WITNESS WHEREOF, I have hereunto set my hand
and affixed my seal of office this 3rd day of
May, 1990.



Christine M. Niccol
NOTARY PUBLIC



Date: May 4, 1990

A. James Barkovich, M.D.
UCSF Medical Center, Long Hospital
505 Parnassus Avenue, Neuroradiology Section
San Francisco, California 94122

RE: LONG v. CORRAL DEPOSITION DATE: 04/23/90
REPORTER: Christine Niccoli TRIAL/ARB. DATE: --

Please find enclosed herewith the transcript of your deposition taken in the above-referenced matter for reading and correcting.

You may change the form or the substance of the answer to any question using the errata sheet provided for your convenience, signed and dated by you, a copy of which we will mail to all parties attending the deposition.

After reviewing your testimony, we request your subscribing to same on the enclosed original signature page in the presence of a Notary Public. ?

Please return said enclosures to this office at your earliest convenience. Thank you for your cooperation.

A handwritten signature in cursive script, appearing to read "Christine Niccoli", is written over the company name.

NICCOLI REPORTING ASSOCIATES

cmn/emk

cc: Original Transcript
F. Gregory Barnhart, Esq.
Frank H. Cole, Esq.
Bruce S. Bullock, Esq.
A. Scott Noecker, Esq.
David J. Wolfman, Esq.
David M. Wiesenfeld, Esq.
V. James Facciolo, Esq.
C. Anthony Schoder, Esq.

CURRICULUM VITAE

Anthony James Barkovich, M.D

Birthplace: Ft. Lee, Virginia

Birthdate: July 29, 1952

Citizenship: U.S.A.

Marital Status: Married, May 24, 1986 to Karen K. Jernstedt


Children: Matthew Jernstedt Barkovich, born October 18, 1987
Krister Jernstedt Barkovich, born July 9, 1989

POSTIONS HELD:

<u>DATE</u>	<u>LOCATION</u>	<u>OCCUPATION</u>
1971-1974	Chemistry Department Univ. of CA, Davis	Laboratory Helper
1973	Ames Research Lab U.S. Atomic Energy Comm.	Research Assistant
1974-1977	Chemistry Dept. Univ. of CA, Berkeley	Research Assistant Teaching Assistant
1974-1989	U.S. Army	Medical Student Intern Radiology Resident Neuroradiology Fellow
1989-present	University of California San Francisco	Associate Professor of Radiology, Pediatrics and Neurological Surgery in Residence

EDUCATION:

<u>DATE</u>	<u>SCHOOL</u>	<u>MATOR</u>	<u>DEGREE</u>
1966-1970	Redwood High School Larkspur, CA		
1970-1974	Univ. of CA, Davis	Chemistry	B.S.
1974-1977	Univ. of CA, Berkeley	Chemistry	M.S.



<u>DATE</u>	<u>SCHOOL</u>	<u>MATOR</u>	<u>DEGREE</u>
	RESEARCH - Synthesis and characterization of the electron energy levels of strained, cyclic, unsaturated hydrocarbons		
	Passed Oral Examination for Ph.D., was advanced to candidacy for Ph.D., but did not complete dissertation		
1976-1980	Geo. Washington Univ. Washington, D.C.	Medicine	M.D.
1980-1981	Letterman AMC San Francisco, CA	Radiology	Intern
1981-1984	Letterman, AMC San Francisco, CA	Radiology	Resident
1984-1986	Walter Reed AMC Washington, D.C.	Neuroradiology Fellowship	

Included in fellowship:

Four weeks Johns Hopkins Hospital, Baltimore, M.D.

Six weeks Children's Hospital NMC, Washington, D.C.

Six weeks Hospital for Sick Children, Toronto, Canada

One hour weekly Armed Forces Institute of Pathology

MILITARY HISTORY:

1976-1980 Served in U.S. Army Reserves as a medical student

1980-1989 Served as Major in U.S. Army

BOARD CERTIFICATION:

National Board of Medical Examiners, 1981

American Board of Radiology, 1984

LICENSURES:

California G 46445

PROFESSIONAL SOCIETIES:

The Radiology Society of North America
The American Society of Neuroradiology (Senior Member)
The Phi Kappa Phi Honor Society
Western Neuroradiological Society

AWARDS:

Edward F. Kraft Scholarship Award, U.C. Davis, 1971
President's Undergraduate Research Fellow, 1973-1974
U.S. Atomic Energy Commission Summer Research Fellow, 1973
Honorable Mention, Exhibit, RSNA Annual Meeting, **1983**

ACADEMIC POSITIONS:

Instructor in Radiology, F. Edward Hebert School of Medicine, Uniformed Services
University of Health Sciences, 1984-1987

Assistant Clinical Professor of Radiology, University of California, San Francisco,
1986-1989

Associate Professor of Radiology, Pediatrics **and** Neurological Surgery in Residence,
University of California, San Francisco, 1989-present

Assistant Clinical Professor of Radiology, Uniformed Services University of Health
Sciences, 1987-1988 ?

PRESENT POSITION:

Associate Professor of Radiology, Pediatrics and Neurological Surgery in Residence,
University of California, San Francisco

Consulting Pediatric Neuroradiologist, Division of Pediatric Neurosurgery, Univ. of
California, San Francisco

TEACHING:

Radiology Residents
UCSF -- One hour-long lectures per month
Letterman Army Medical Center -- One lecture per month
Informally, neuroradiology readout, four hours per day

Neurology/Pediatric Residents
UCSF -- One hour-long session per week
Informally, via consultation, one hour per day

Teaching contd

Neurology/Pediatric/Neurosurgery/Radiology Residents

Biweekly one **hour** Pediatric Neuroradiology conference at **UCSF**

Neurosurgery/Radiology Residents, Neuroradiology Fellows (Pediatric)
Neuroradiology Grand Rounds, four times a year

APPOINTMENTS:

Member of Editorial Advisory Board, American Journal of Neuroradiology
Training and Standards Committee, American Society of Neuroradiology

INVITED LECTURES -- UCSF:

Congenital Anomalies of the Brain. UCSF Annual Neuroradiology Course, January 1987

Anomalies of the Spine. UCSF Annual Neuroradiology Course, January 1987

Normal and Abnormal Myelination. UCSF Annual MRI Course, October 1987

Practical Pediatric Neuroradiology. UCSF Annual MRI Course, October 1987

Neuro MR: A New Window to the Pediatric Brain. UCSF Radiology Grand Rounds, February 18, 1988

The Effect of MR on Pediatric Neuroimaging. Annual UCSF Diagnostic Radiology Course, March 1988

MR of Normal Brain Maturation. UCSF Annual Clinical MRI Course, October 1988

New Concepts in Developmental Brain Anomalies. UCSF Annual Clinical MRI Course, October 1988

Developmental Spine Anomalies. UCSF Annual Clinical MRI Course, October 1988

MR of the Phakomatoses. UCSF Annual Diagnostic Radiology Course, March 1989

INVITED LECTURES :

CT Anatomy of the Temporal Bone. Armed Forces Institute of Pathology Otolaryngology Review Course, May 1985 and April 1986

CT of Temporal Bone Pathology. Armed Forces Institute of Pathology Otolaryngology Review Course, May 1985 and April 1986

Invited Lectures contd.

Metabolic and Destructive Brain Disorders. Neurology/Neurosurgery Grand Rounds, Mary Hitchcock Clinic, Dartmouth Medical School, Hanover NH, October 1988

Developmental Brain Disorders. Radiology Department, Mary Hitchcock Clinic, Dartmouth Medical School, Hanover, NH, October 1988

Pediatric Neuroradiology: The Maturing CNS. Refresher Course (with Thomas P. Naidich, MD), Annual Meeting of the RSNA, Chicago, November 1988

Developmental Brain Anomalies. Barrows Neurological Institute Postgraduate Radiology Course, Phoenix, AZ, May 1989

Developmental Spine Anomalies. Barrows Neurological Institute Postgraduate Radiology Course, Phoenix, AZ, May 1989

Metabolic Diseases of the Brain. Barrows Neurological Institute Postgraduate Radiology Course, Phoenix, AZ, May 1989

MR of Normal Brain Development. Children's Hospital of Los Angeles, Los Angeles, CA, October 18, 1989

Supratentorial Tumors of Childhood. ASNR categorical course on Brain Tumors, Los Angeles, CA, March 17, 1990.

MR of the Pediatric Spine. Society for Magnetic Resonance Imaging Categorical Course. Washington, DC, February 24, 1990.

PROGRAMS DEVELOPED AND DIRECTED:

Program Director, Pediatric Neuroradiology Course. Hyatt-Regency-Embarcadero Hotel, San Francisco, CA, October 22-23, 1988.

Program Director, Pediatric Neuroradiology Course. Hyatt-Regency-Embarcadero Hotel, San Francisco, CA, October 7, 1989

PUBLICATIONS:

WGL Aalbersberg, **AJ Barkovich**, RL Funk, RL Hilliard and KPC Vollhardt. Transition metal catalyzed acetylene cyclizations. 4, 5-Bis-(trimethylsilyl) benzocyclobutene, a highly strained, versatile synthetic intermediate. J Am Chem Soc, 97,5600 (1975)

Publications contd.

- AJ** Barkovich and KPC Vollhardt, 1, 5, 9-cyclododecatriyne. Synthesis, and conversion to intermediate 1, 2:3, 4:5, 6-Tricyclobutabenzene, J Am Chem Soc, 98, 2667 (1976)
- AJ** Barkovich, ES Strauss and KPC Vollhardt, Hexaradialene, J Am Chem Soc, 99, 8321 (1977)
- AJ** Barkovich, ES Strauss and KPC Vollhardt, Unusual rearrangements in the C₁₂-H₁₂ Manifold: 1, 5, 9-cyclododecatriyne 1, 2:3, 4:5, 6-Tricyclobutabenzene, Hexaradialene, Israel J Chem, 20, 225 (1980)
- DF Sobel, **AJ** Barkovich and SM Munderloh, Metrizamide myelography and postmyelographic computed tomography: comparative adequacy in the cervical spine, AJNR, 1984; 5:385-390
- AJ** Barkovich, FJ Wippold and RE Brammer, False negative MR in an acoustic neurinoma, AJNR, 1986; 7:363-364
- AJ** Barkovich, FJ Wippold, JL Sherman and CM Citrin, Significance of cerebellar tonsillar position on **MR**, AJNR, 1986; 7:795-800
- AJ** Barkovich, CM Citrin, PM Klara, FJ Wippold and J Kattah, MRI of cysticercosis, West J Med, 1986; 145:687-689
- JL Sherman, **AJ** Barkovich and CM Citrin, MR of syringomyelia: New observations, AJNR, 1986; 7:985-995
- JL Sherman, CM Citrin, **AJ** Barkovich and BJ Bowen, MR imaging of the mesencephalic tectum: Normal and pathologic variations, AJNR, 1987; 8:59-64
- AJ** Barkovich, JL Sherman and CM Citrin, MR of post-operative syringomyelia, AJNR, 1987; 8:279-287
- JL Sherman, CM Citrin and **AJ** Barkovich, MR of syringobulbia, J Comput Asst Tomogr 1987; 11:407-411
- FJ Wippold, CM Citrin, **AJ** Barkovich and JL Sherman, Evaluation of MR of spinal dysraphism with lipoma, comparison with CT, Pediatric Radiology 1987; 17:184-188
- AJ** Barkovich, SH Chuang and D Norman, Anomalies of neuronal migration: MRI, AJNR 1987; 8:1009-1017, AJR 1988; 150:179-187

Publications contd

- SR Lambert, CS Hoyt, JE Jan, **AJ Barkovich** and O Flodmark, Visual recovery from hypoxic cortical blindness in childhood: CT and MRI predictors, Arch Ophthalmol 1987;105:1371-1377
- AJ Barkovich**, D. Norman, **MR** of schizencephaly, **AJNR** 1988; 9:297-302, **AJR** 1988; 150:1391-1395
- AJ Barkovich**, BO Kjos. Normal post-natal development of the corpus callosum as determined by MR. **AJNR** 1988;9:487-491
- AJ Barkovich** and D Norman, Anomalies of the Corpus Callosum: Correlation with further anomalies of the brain. **AJNR** 1988;9:493-501, **AJR** 1988; 151:171-179
- AJ Barkovich**, D Jackson, BO Kjos and D Norman, MR of normal brain maturation at 1.5T. Radiology 1988;166:173-180
- AJ Barkovich**. Abnormal venous drainage in migration anomalies. **AJNR** 1988; 9:939-942
- AJ Barkovich**, D Norman. Absence of the septum pellucidum: a useful **sign** in the diagnosis of congenital brain malformations. **AJNR** 1988;9:1107-1114. **AJR** 1989; 152:353-360
- AJ Barkovich**, SW Atlas. MR of intracranial hemorrhage. Radiological Clinics of North America. 1988; 26:801-820
- AJ Barkovich**. Techniques and methods in pediatric MR. Seminars in CT, Ultrasound, and MR. 1988; 9:186-191
- SR Pollei, RS Boyer, S Crawford, HR Harnsberger, **AJ Barkovich**. Disorders of migration and sulcation. Seminars in CT, Ultrasound and MR 1988; 9:231-246
- N Raghavan, **AJ Barkovich**, MSB Edwards, D Norman. MR imaging in the tethered cord syndrome. **AJNR** 1989;10:27-36
- AJ Barkovich**, TH Newton. MR of aqueductal stenosis: Evidence of a broad spectrum of tectal distortion. **AJNR** 1989;10:471-476
- AJ Barkovich**, BO Kjos, D Norman, MSB Edwards. New concepts in posterior fossa cyst-like malformations obtained by multiplanar imaging. **AJNR** 1989;10:977-988
- Y Okada, S Aoki, **AJ Barkovich**, K Nishimura, D Norman, BO Kjos, RC Brasch. Cranial bone marrow in children: Assessment of normal development with MR imaging. Radiology 1989; 171:161-164

Publications contd

- AJ Barkovich, E Framm, D Norman.** MRI of septo-optic dysplasia. *Radiology* **1989; 171:189-192**
- MA King, AJ Barkovich, VV Halbach, GB Hieshima, MS Edwards.** Traumatic monocular blindness associated with carotid injuries in children. *Pediatrics* **1989; 84:128-132**
- AJ Barkovich, DE Jackson, Jr, R Boyer.** Band heterotopias: a newly recognized form of migration anomalies. *Radiology* **1989; 171:455-458**
- S Aoki, WP Dillon, AJ Barkovich, D Norman.** Marrow conversion prior to pneumatization of the sphenoid sinus: MR assessment. *Radiology* **1989; 172:373-375**
- S Aoki, Y Okada, K Nishimura, AJ Barkovich, BO Kjos, RC Brasch, D Norman.** Development of brain iron in childhood and adolescence on T2 weighted images at 1.5T. *Radiology* **1989; 172:381-385**
- RA Filly, JD Cardoza, RB Goldstein, AJ Barkovich.** Detection of fetal CNS anomalies: A practical level of effort for a "routine" sonogram. *Radiology* **1989; 172:403-408**
- S Aoki, AJ Barkovich, K Nishimura, BO Kjos, T Machida, P Cogen, D Norman, MSB Edwards.** neurofibromatosis type I and II: Cranial MR findings. *Radiology* **1989; 172:527-534**
- AJ Barkovich, DE Jackson.** MR of normal and abnormal myelination. *MRI Decisions* **1989; 3:17-25**
- AJ Barkovich, N Raghavan, SH Chuang.** MR of lumbosacral agenesis. *AJNR* **1989; 10:1223-1231**
- A.J.Barkovich.** Apparent atypical callosal dysgenesis: Analysis of MX findings in six cases and their relationship to holoprosencephaly. *AJNR* **1990; 11:333-339**

PAPERS IN PRESS:

- R. Tien, AJ Barkovich, MSB Edwards.** MR in the evaluation of pineal region masses. *AJNR* March, 1990
- PK Maurer, AJ Barkovich.** Locations of cervical disc herniations: implications for surgical approach. *Neurosurgery*
- AJ Barkovich, SH Ckuang.** MR of unilateral megalencephaly. *AJNR*

Papers in press contd.

AJ Barkovich, CL Truwit. MR of perinatal asphyxia: correlation of gestational age with pattern of damage. AJNR

CL Truwit, **AJ Barkovich**, A Gear-Martin, Nittibri, D Norman. Loss of the insular ribbon: A new CT sign of acute infarction. Radiology

CL Truwit, **AJ Baxkovich**. Intracranial lipomas: A new unifying concept. (AJNR)

PAPERS SUBMITTED FOR PUBLICATION:

BO Kjos, R Umansky, **AJ Barkovich**. MR of the brain in children with developmental retardation of unknown cause (submitted to **AJNR**)

DA Kaku, **AJ Barkovich**, RJ Shanahan, CM Greco. MRI suggesting leukodystrophy in Leigh disease. (Submitted to Annals of Neurology.)

AJ Barkovich, MSB Edwards, PN Cogen. MR and CT of congenital nasal masses. (submitted to AJNR)

CHAPTERS:

AJ Barkovich. The effect of MR on Pediatric Neuroradiology. Diagnostic Radiology, 1988; AR Margulis, CA Gooding, eds. pp. 399-406

AJ Barkovich. Imaging of the Phakomatosis. Diagnostic Radiology, 1989. AR Margulis, CA Gooding, eds. p. 315-329

TEXTBOOKS:

AJ Barkovich. Pediatric Neuroimaging. Raven Press, 1989

PRESENTATIONS:

G Sze, F Pardo, J DeGroot, W Kucharczyk, W Olsen, J Kucharczyk, **AJ Barkovich**, G Krol, D Norman M Brant-Zawadski, TH Newton. Magnetic resonance-anatomic correlation in the pituitary fossa. Western Neuroradiological Society Annual Meeting, Palm Springs, CA 1986

N Colombo, I Berry, J Kucharczyk, W Kucharczyk, J DeGroot, **AJ Barkovich**, T Larson, G Sze, W Peck, M Brant-Zawadski, D Norman, H Newton. MRI of the posterior pituitary bright spot. Western Neuroradiological Society Annual Meeting, Palm Springs, CA 1986

Presentations contd.

J Kucharczyk, **I Berry**, J DeGroot, M Wedland, M Mosley, G Sze, W Kucharczyk, N Colombo, **AJ Barkovich**, D Norman, T Newton. The chemical nature and functional significance of the posterior pituitary "bright spot" Western Neuroradiological Society Annual Meeting, Palm Springs, CA, **1986**

AJ Barkovich, SH Chuang. MR of migration anomalies of the CNS. Western Neuroradiological Society Annual Meeting, Palm Springs, CA, **1986**

AJ Barkovich, **SL3 Chuang** and D Norman. Anomalies of neuronal migration: MRX, Presented at the 25th Annual Meeting of the American Society of Neuroradiology, New York, **1987**

AJ Barkovich and D Norman, The callosal key to brain anomalies. Presented at the 25th annual meeting of the American Society of Neuroradiology, New York, **1987**

SH Chuang, **AJ Barkovich**, DC Harwood-Nash. Unilateral Megalencephaly. American Society of Neuroradiology Annual Meeting, New York, 1987

T Larson, **AJ Barkovich**, J DeGroot, D Norman, TH Newton. MR of the Cerebellum. American Society of Neuroradiology Annual Meeting, New York, **1987**

AJ Barkovich, BO Kjos, D Jackson, D Norman. MR of Normal Brain Maturation. Western Neuroradiological Society Annual Meeting, Scottsdale, AZ, **1987**

T Larson, **AJ Barkovich**, MS Edwards, D Norman, TH Newton. MR of the Chiari I, II, and III malformations. Western Neuroradiological Society Annual Meeting, Scottsdale, AZ, **1987**

AJ Barkovich, BO Kjos, D Jackson, D Norman. MR of normal brain maturation. Presented at the annual meeting of the Radiological Society of North America, Chicago, **1987**

CF Dowd, SW Atlas, WF Hoyt, **AJ Barkovich**, D Norman. Visual Pathway Gliomas in Neurofibromatosis Patients: MR Characteristics. Presented at the annual meeting of the Society of Magnetic Resonance Imaging, Boston, March, **1988**

SW Atlas, AS Mark, E Fram, **AJ Barkovich**, D Norman. Aqueductal Stenosis: Evaluation with gradient echo acquisition rapid MR imaging. Presented at the annual meeting of the Society of Magnetic Resonance Imaging, Boston, March, **1988**

AJ Barkovich, D Norman. The tectal key to aqueductal pathology. Presented at the annual meeting of the American Society of Neuroradiology, Chicago, May, 1988

Presentations contd.

AJ Barkovich, BO Kjos, MSB Edwards, D Norman. New concepts in posterior fossa cysts in children. Presented at the annual meeting of the American Society of Neuroradiology, Chicago, May 1988

DK Haas, S Chuang, DC Hanwood-Nash, AJ Barkovich, J Laidley, L Becker, R Coates. MELAS syndrome: Multiple recurrent strokes in children with diagnostic muscle mitochondrial findings. Presented at the annual meeting of the American Society of Neuroradiology, Chicago, May, 1988

N Raghavan, AJ Baxkovich, D Norman. MR of the tethered spinal cord syndrome: New findings. Presented as a poster at the annual meeting of the American Society of Neuroradiology, Chicago, May, 1988

WP Dillon, AJ Barkovich. Asymmetric fusion of the septum pellucidum: A cause for "pseudo" unilateral obstructive hydrocephalus. Presented at the annual meeting of the Western Neuroradiological Society annual meeting, San Diego, Oct, 1988

DE Jackson, Jr., AJ Barkovich. Band Heterotopias: A newly recognized form of migration anomalies. Presented at the annual meeting of the Western Neuroradiological Society, San Diego, CA, October, 1988

AJ Barkovich, S Aoki, K Nishimura, D Norman. Cranial MR of neurofibromatosis I and II: two distinct diseases. Presented at the annual meeting of the ASNR, Orlando, March, 1989

RD Tien, AJ Barkovich, D Norman. The value of MR in the diagnosis of pineal region tumors. Presented at the annual meeting of the ASNR, Orlando, March, 1989

RD Tien, AJ Barkovich, D Norman. The value of MR in the diagnosis of pineal region tumors. Presented at the annual meeting of the ASNR, Orlando, March, 1989

RD Tien, AJ Barkovich, TM Newton. MR and CT evaluation of pituitary infundibuluin lesions. Presented at the annual meeting of the ASNR, Orlando, March, 1989

EXHIBITS:

S Munderloh, AJ Barkovich, and N Duenas. A New Contrast Agent for CT of the Liver and Spleen. Shown at the Radiological Society of North America Annual Meeting, Chicago, 1983

Exhibits contd.

M Brantley, AJ **Barkovich**, FJ Wippold and JM McCabe, MR of Intracranial Vascular Malformations. Shown at the Radiological Society of North America Annual Meeting, **Chicago**, 1986 and at the annual meeting of the American Roentgen Ray Society, Miami, 1987

RESEARCH ACTIVITIES:

1. Neonatal Asphyxia

- a. Effects of myelination on the pattern of asphyxic brain damage in neonates. We are inducing asphyxia in neonatal pigs prior to, during, and after myelin formation in the cerebrum. Hypothesis: the increased metabolic activity of myelin syntheses renders actively myelinating areas more susceptible to asphyxic damage. We will follow myelination using known signal changes in the white matter on MR imaging and the known disappearance of the phosphomonoester peak on in vivo MR spectroscopy.
- b. Patterns of asphyxic brain damage. A retrospective study is under way in an attempt to correlate patterns of brain damage (as detected by *MR* imaging) with the duration and severity of the insult and with the gestational age of the patient at the time of the insult. The MR assessment of the degree of brain myelination of the neonatal and infant brain has been deduced (Barkovich, et. al., Radiology 1988;166:173-180).
- c. Eventually, we hope to study the effects of calcium channel blockers (known to attenuate the effects of ischemia in adults) on perinatal asphyxia.

2. Syringohydromyelia

Myelination is the most effective modality in the detection of syringohydromyelia and in the evaluation of its treatment (JL Sherman, AJ **Barkovich**, and CM Citrin, AJNR 1986;7:985-995, AJ **Barkovich**, JL Sherman, and CM Citrin, AJNR 1987; 8:279-287). Technological advances in the past year have made it possible to quantitate CSF flow in the subarachnoid space and, consequently, within the syrinx cavity. We are presently comparing CSF flow at the foramen magnum in patients with obstruction to CSF flow at the foramen in patients with obstruction to CSF flow at that level with and without syringes to normals in an attempt to discover exactly what alterations of flow are necessary to cause syrinx formation.

3. Normal and abnormal brain development

MR imaging allows gross pathological examination of the brain in vivo in any plane. When proper techniques are used, exquisite anatomic detail can be

obtained. This anatomic information can be combined with **known** embryologic data to deduce additional facts pertaining to embryology and developmental pathology (**Barkovich** and Norman, **AJNR** 1988; 9:493-501, **Barkovich** and Norman **AJNR** 1988;9:297-302, **Barkovich** et al, **Radiology** 1989; 171:455-458).