

DOC 102

IN THE SUPERIOR COURT OF WASHINGTON FOR KING COUNTY

--000--

COPY

MONICA NICOLE WILSON and  
KAY M. WILSON, as GUARDIAN OF  
MONICA NICOLE WILSON, KAY M.  
WILSON and DAVID R. WILSON,

Plaintiffs,

v.

Case No. 87-2-20931-8

OVERLAKE HOSPITAL MEDICAL CENTER,  
INC., a Washington corporation,  
and STIG ANDERSEN and JANE DOE  
ANDERSEN, husband and wife, and  
their marital community, STIG B.  
ANDERSEN, M.D., INC. G.P., and  
JOHN DOE INDIVIDUALS 1-20, and  
JOHN DOE CORPORATION,

Defendants.

---

DEPOSITION OF A. JAMES BARKOVICH, M.D.

Friday, July 26, 1991

--000--

DANIEL W. BENARD & ASSOCIATES  
CERTIFIED SHORTHAND REPORTERS  
605 Market Street  
San Francisco, CA 94105  
(415) 362-6070

Reported by:  
CAROL A. KAREN  
CSR NO. 8189

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26

<u>INDEX</u>	<u>PAGE</u>	<u>LN</u>
Examination by Ms. McIntyre:	5	9
Examination by Mr. Lopez:	59	21
Further Examination by Ms. McIntyre:	69	5

<u>DEFENDANT EXHIBITS</u>	<u>PAGE</u>	<u>LN</u>
Defendants' Ex. 31, cranial ultrasounds of Monica Wilson, was marked for identification.	38	15

1 BE IT REMEMBERED THAT, pursuant to Notice of  
2 taking Deposition, and on Friday, July 26, 1991,  
3 commencing at the hour of 10:00 a.m. of the said day, at  
4 the offices of the deponent at 505 Parnassus Avenue, Rm  
5 L-371, San Francisco, California, before me, CAROL A.  
6 KAREN, certified court reporter in the State of  
7 California, personally appeared,

8  
9 ANTHONY JAMES BARKOVICH, M.D.

10  
11 called as a witness by the defendant, who being by me  
12 first duly sworn, was thereupon examined and interrogated  
13 as herein set forth.

14 --000--

15  
16 LOPEZ & FANTEL, 1510-14th Avenue, Seattle,  
17 WA 98122, by CARL A. TAYLOR LOPEZ, ESQ., appeared as  
18 counsel on behalf of the plaintiff.

19  
20 HOUGHER, MILLER & STEIN, 200 West Thomas  
21 Street, Suite 580, Seattle, WA 98119-4289, by MARY K.  
22 McINTYRE, appeared as counsel on behalf of the defendant.

23 ALSO PRESENT: LAURA MOYER, VIDEO OPERATOR  
24  
25  
26

1 VIDEOTAPE OPERATOR: The date is July 26,  
2 1991. The time is approximately 10:05. We are located at  
3 the offices of U.C.S.F. Medical Center Long Hospital at  
4 505 Parnassus Avenue, San Francisco, California. We are  
5 taking the deposition of Dr. James Barkovich in the matter  
6 of Wilson versus Overlake Hospital Medical Center, Case  
7 No. 87-2-20931-8 on behalf of Mary McIntyre.

8 My name is Laura Moyer. I represent March  
9 Productions, which is located at 12 Shell Road, Mill  
10 Valley, California 94941. The telephone number is (415)  
11 383-3306.

12 At this time I would like to ask the  
13 persons present to introduce themselves for the record.  
14 Please state your name, the firm you are working for, the  
15 location of the firm, and who you are representing in this  
16 matter.

17 MS. MCINTYRE: I'm Mary McIntyre. I  
18 represent Overlake Hospital Medical Center. My law firm  
19 is Hougher, Miller & Stein, and I am from Seattle,  
20 Washington.

21 MR. LOPEZ: I am Carl Lopez. I am from  
22 the law firm Lopez & Fantel. I represent Monica Wilson,  
23 one of the plaintiffs.

24 VIDEOTAPE OPERATOR: Will the court  
25 reporter please swear in the witness, Dr. Barkovich?

26 (Witness sworn.)

1 VIDEOTAPE OPERATOR: Dr. Barkovich, are  
2 you aware that this deposition is being videotaped?

3 THE WITNESS: Yes.

4 VIDEOTAPE OPERATOR: Thank you.

5  
6 ANTHONY JAMES BARKOVICH, M.D.,

7 having been first duly sworn, testified as follows:

8  
9 EXAMINATION BY MS. MCINTYRE:

10 MS. MCINTYRE: Q. Good morning, Dr.  
11 Barkovich. Would you tell us your full name and give us  
12 your business address, please?

13 A. My name is Anthony James Barkovich. My address  
14 is Neuroradiology Section, University of California, San  
15 Francisco, in San Francisco.

16 Q. All right, and is that on Parnassus Avenue?

17 A. Yes, 505 Parnassus Avenue, and our mailing  
18 address is Box 0628, and our zip code is 94143.

19 Q. Thank you, Dr. Barkovich. What is your  
20 occupation and special area of practice?

21 A. My occupation is physician, and I am a pediatric  
22 neuroradiologist.

23 Q. All right. Now, what does your specialty of  
24 pediatric neuroradiology involve?

25 A. Well, radiology is a specialty that involves  
26 imaging and X-rays. It started out with just X-rays,

1 x-rays of various parts of the body, and recently, as we  
2 have gotten more and more technological, special studies  
3 such as ultrasound and computed thermography, better known  
4 as CAT scans, and more recently magnetic resonance  
5 scanning.

6                   Neuroradiology is a specialty that focuses  
7 on doing those studies looking at the brain and spine, the  
8 nervous system, and what a pediatric neuroradiologist does  
9 is focus even further on diseases that specifically affect  
10 children and the manifestations of diseases on the  
11 pediatric brain and pediatric spine, and it's important to  
12 make that distinction because children get different  
13 diseases --

14                   THE REPORTER:   Excuse me. Can we go off?

15                   VIDEOTAPE OPERATOR:   The time is **10:10** and  
16 we are going off the record.

17                   (Discussion off the record.)

18                   VIDEOTAPE OPERATOR:   The time is  
19 approximately 10:15 and we are back on the record.

20                   MS. McINTYRE:   Q. All right, Dr.  
21 Barkovich, we are back on the record after resolving our  
22 technological problems here. Why is it important to make  
23 a distinction between the focus of a pediatric  
24 neuroradiologist as contrasted to a regular  
25 neuroradiologist?

26                   A. The difference lies in the fact that the

1        pediatric brain is really different than the adult  
2        brain. It's not fully developed yet, so the response to  
3        injury is different, the response to infection is often  
4        different, the infections kids get are -- tend to be  
5        different, and because the brain is immature it looks  
6        different than an adult brain on sophisticated imaging  
7        scans, and so you have to be aware of how the brain  
8        develops and at what stage of development it is in order  
9        to really make an accurate reading of the studies.

10        Q. All right. Dr. Barkovich, would you tell us  
11        about your current practice today as a pediatric  
12        neuroradiologist? Give us an idea of some of the things  
13        that you do.

14        A. Okay. Well, what we do here in pediatric  
15        neuroradiology, we're constantly doing studies on patients  
16        with pediatric neurological disease, kids with brain  
17        tumors, kids with strokes, kids with funny infections, or  
18        very commonly kids with funny neurological symptoms that  
19        are difficult to explain, and what we'll do is various  
20        types of imaging studies, whether it be an MR scan, or a  
21        CT scan, or an ultrasound, or sometimes an invasive  
22        procedure such as a myelogram or an arteriogram where you  
23        actually inject dye into the patient to visualize certain  
24        spaces and use that information to try to come up with a  
25        diagnosis, and it turns out that certain disease processes  
26        cause specific patterns of damage.

1                   And by seeing the pattern of damage you can  
2                   zero in on certain types of disease processes, and often  
3                   it's very helpful in making a diagnosis, and then once you  
4                   make the diagnosis, of course, you can hopefully treat,  
5                   and if you can't treat, you can determine whether it's a  
6                   genetically transmittable disease, which is to say you can  
7                   -- it's very important in counseling the parents as to  
8                   whether -- you know, what the chances are that they are  
9                   going to have another child with this kind of problem if  
10                  they do have more children.

11                  So those are the kinds of things we do, and  
12                  my day is spent doing these studies, evaluating these  
13                  studies, talking with clinicians, pediatricians, pediatric  
14                  neurologists, pediatric neurosurgeons, geneticists,  
15                  talking with them about their patients, what the problems  
16                  are, and trying to advise them what would be the best  
17                  study to do, and sometimes just looking at studies, maybe  
18                  a child is referred here from another hospital that  
19                  already has an MR scan, or a **CT** scan, or an angiogram, and  
20                  so I will go over those studies with the clinician and  
21                  determine if they were adequate, and if they were adequate  
22                  what we can learn from them, what they tell us.

23                  Q.    All right. Do you regularly review MR scans  
24                  then taken of infants and children?

25                  A.    Oh, yes.

26                  Q.    Now, when did doctors first start using MR



1 scans?

2 A. Well, the first prototype scanners came into use  
3 around 1980, but the first clinical in-house scanners  
4 didn't really show up until about 1983. Those are the  
5 very earliest scanners.

6 Q. Have you been continuously reviewing MR scans of  
7 infants and children since 1983?

8 A. Yeah, about -- we actually didn't start doing  
9 any kids probably until '84.

10 Q. All right.

11 A. But I was at this institution when the first MR  
12 scanner went in in November of '83.

13 Q. And can you give us any idea, Dr. Barkovich, of  
14 how many MR scans you have reviewed of infants or children  
15 during your career?

16 A. I have no idea.

17 Q. Would it be thousands?

18 A. Yeah, I think that's fair to say. I probably  
19 review 50 a week.

20 Q. All right.

21 A. You can kind of guess, you can calculate what  
22 that adds up to over a number of years.

23 Q. Okay. What about cranial ultrasounds, do you  
24 also routinely review those in your practice?

25 A. Yes.

26 Q. And if I asked you the same question, how many

1 of those have you done?

2 A. The answer would probably be similar.

3 Q. Okay. Do you have any academic appointments,  
4 any teaching responsibilities currently?

5 A. Yeah, well, part -- one of the main parts of my  
6 job is teaching. I'm the head of the neuroradiology  
7 fellowship here at U.C.S.F., and we have actually eight  
8 fellows this year who are learning radiology and part of  
9 my job is to teach the fellows.

10 Any time -- basically, any time I'm  
11 reviewing a scan I have a fellow there, and I point out  
12 different things to him. I also give a formal conference  
13 every Tuesday morning from 9 to 10 which is attended by  
14 the pediatric neurologists, pediatric neurosurgeons,  
15 pediatricians, pediatric radiologists, as well as  
16 neuroradiologists, actually, the pediatric  
17 ophthalmologists usually come, too.

18 And we go over cases, and that's -- you  
19 know, I stand up in front and go over the cases and make  
20 teaching points, and we have discussions about the various  
21 patients. Usually, they are current clinical cases in the  
22 hospital as well as maybe scans that were sent either to  
23 me or to the neurologist or to the neurosurgeons from  
24 outside institutions for review.

25 Q. All right. Now, are you an associate professor  
26 of radiology, pediatrics, neurology and neurological

1 surgery here at the University of California at San  
2 Francisco?

3 A. Yes.

4 Q. And do you have teaching responsibilities in all  
5 of those areas?

6 A. Yeah, I need to -- I need to clarify that  
7 because basically I do radiology. I don't do pediatrics,  
8 I don't do neurology, I don't do neurological surgery, but  
9 I have appointments in all of those departments because I  
10 do teach all of their residents.

11 I mean it's important for neurologists,  
12 pediatric neurologists to know something about pediatric  
13 neuroradiology. It's important for the pediatric  
14 neurosurgeons to understand pediatric neuroradiology, it's  
15 important for the pediatricians. Because I hold  
16 conferences for all of them and attend their conferences,  
17 they were nice enough to honor me with appointments in  
18 their departments.

19 Q. All right. So basically, you would be teaching  
20 resident doctors in those departments the basic aspects of  
21 pediatric neuroradiology, the things that you look for in  
22 these studies?

23 A. Yes, that's right.

24 Q. Now, you mentioned that you were director of the  
25 pediatric neuroradiology fellowship training program?

26 A. Actually, the neuroradiology fellowship training

1       program, yeah.

2           Q.    Okay.  Now, what is a fellowship training  
3       program?

4           A.    Well, what it -- there are different levels of  
5       training in medicine, and everyone goes to undergraduate  
6       school, to college, and then you go to medical school  
7       which is usually four years, then you do an internship  
8       which is a year of training, then you specialize, and  
9       that's called a residency, and residencies can be anywhere  
10      from three years -- for example, pediatrics is a  
11      three-year residency, to I think six years is  
12      neurosurgery, and then some people choose to -- after they  
13      finish their residency training, to subspecialize, and you  
14      do that by fellowship training, and what that is is one or  
15      two years of -- I guess, some fellowships are actually  
16      three years -- of very intensive study of a particular  
17      field.

18                   And so what a neuroradiology fellowship is  
19      is two years of very intensive training of doing nothing  
20      but neuroradiology, and it entails very long hours and  
21      lots of weekends, and we hope that at the end of your two  
22      years you're an expert in your subspecialty.

23           Q.    All right, and you're the director of that  
24      fellowship training program in neurology then here?

25           A.    Neuroradiology, yeah.

26           Q.    At the -- neuroradiology at the University of

1 California?

2 A. Yes.

3 Q. What are your responsibilities as a director of  
4 that program?

5 A. Well, it's basically setting up a curriculum,  
6 and I don't do it all by myself. We do it as -- the  
7 faculty does it together. We decide what conferences we  
8 want to hold, how many conferences, when we're going to  
9 hold them, who's going to speak about what at the  
10 conferences, where the -- how the fellows should rotate  
11 among the various places that our fellows go, how much  
12 time they should spend in each place, how many conferences  
13 they should prepare as part of their learning experience,  
14 and then it's also part of my job to every three months  
15 collect evaluations from all the other attendees and then  
16 sit down with each fellow and tell them whether we think  
17 they are doing okay, or if they are a little weak here,  
18 need to really brush up on it, so I have to sort of keep  
19 an eye on everybody and make sure that they are  
20 progressing the way we want them to progress.

21 Q. All right. Now, do you have the fellows in the  
22 training program actually look at scans and studies with  
23 you?

24 A. Yeah, absolutely. What we try to do is have  
25 them look at them first and make up their mind, rather  
26 than just sort of come hopping along for the ride, if you

1 will. So we will have them look at all the studies and  
2 then we will sit down with them and ask them what they  
3 think and, depending on how well they do, spend various  
4 amounts of time instructing them on that particular case.

5 Q. All right. Now, do you give -- do you have a  
6 weekly teaching session of neurology in pediatric  
7 residents?

8 A. Yeah, I think I just mentioned that, that we  
9 have a weekly pediatric neuroradiology conference with  
10 neurology residents, neurosurgery, pediatrics, as well as  
11 radiologists.

12 Q. And, Dr. Barkovich, have you won any particular  
13 -- received any particular recognition or awards for your  
14 efforts in education?

15 A. Yeah, well, the neurology residents were nice  
16 enough to give me an award last year as the outstanding  
17 teacher, so that was real nice, that was very unexpected.

18 Q. Dr. Barkovich, do you do any research at the  
19 present time?

20 A. Yeah, I actively -- I'm always doing something,  
21 and at the present some of the topics I'm interested in  
22 are perinatal and neonatal asphyxia, what are the effects  
23 on the children, how are they manifest on imaging studies.

24 What we're trying to do is actually right  
25 now trying to get together a big multi-institutional study  
26 of children who are asphyxiated either at birth or in the

1 first few days of life, and try to follow them both with  
2 clinical studies, laboratory studies, neurologic exams as  
3 well as a number of imaging studies to try to see what  
4 factors can be used to give the best prognosis as early as  
5 possible to try and get them into the best occupational or  
6 physical therapy or whatever as early as possible. So  
7 that's one thing.

8 I'm also very interested in researching  
9 normal brain development and in particular abnormalities  
10 of brain development to try to figure out exactly where  
11 the process of normal development has gone wrong. You  
12 have to really understand how the normal process works  
13 before you can understand what went wrong and when.

14 Q. Are you looking at MR scans or radiographic  
15 studies?

16 A. Look at --

17 Q. In that research?

18 A. Yeah, and we also look at pathologic specimens,  
19 but what I found is that MR studies in some ways are  
20 better than pathologic specimens because the pathology --  
21 pathologists only get to see the brains of children who  
22 are so badly injured that they die, and we get to see the  
23 ones who are mildly affected, moderately affected and very  
24 severely affected. So I think we get a better spectrum,  
25 and by seeing that I think it helps you to understand the  
26 processes better.

1           Q.   How long have you been involved in that  
2 research, Dr. Barkovich?

3           A.   Oh, probably about five years.

4           Q.   Before we go too much further I had better have  
5 you tell us about the education you have completed to  
6 become a pediatric neuroradiologist. Would you do that,  
7 please?

8           A.   I guess we will start at medical school. I went  
9 to medical school at George Washington University in  
10 Washington D.C. It was sponsored by the U. S. Army  
11 because I couldn't afford to go to medical school  
12 otherwise, and as payback to them, I did my internship,  
13 and residency, and fellowship training at Army  
14 institutions, and did my internship and residency at  
15 Letterman Hospital here in San Francisco, did my  
16 fellowship at Walter Reed Army Medical Center in  
17 Washington D.C., and was fortunate enough to be able to  
18 rotate on a couple of rotations at pediatric hospitals, at  
19 Children's Hospital in Washington D.C. and the Hospital  
20 for Sick Children in Toronto, Canada.

21                   And that's what really got me going in  
22 pediatric neuroradiology, because when I came back to  
23 Walter Reed I sort of became the pediatric  
24 neuroradiologist at Walter Reed and saw all the pediatric  
25 cases there, and then I came out here to fulfill my Army  
26 obligation at Letterman, and I got a clinical appointment



1 here at U.C.S.F. and became -- it turned out I knew more  
2 about pediatric neuroradiology than anybody else in  
3 northern California, so I just started doing all the  
4 pediatric neuroradiology, and the more you see, the more  
5 you know, and suddenly, without my knowing it, I became an  
6 expert. So here I am.

7 Q. So here you are. All right. Dr. Barkovich, are  
8 you involved -- are you involved as a chairman of any  
9 professional organizations dealing with neuroradiology?

10 A. Well, I am the chairman of the Pediatric  
11 Training and Standards Subcommittee of the American  
12 Society of Neuroradiology, which is the national  
13 organization of neuroradiologists.

14 Q. And what is the focus or the purpose of that  
15 organization?

16 A. The ASNR, or my subcommittee?

17 Q. Let's talk about the ASNR first.

18 A. The ASNR, the function is to help educate, keep  
19 neuroradiologists at the cutting edge of neuroradiology.

20 Q. And what's the focus of your subcommittee on  
21 pediatric neuroradiology?

22 A. It's to make sure that all neuroradiology  
23 fellows get adequate training in pediatric neuroradiology.

24 Q. And how do you do that?

25 A. By establishing minimum standards for training  
26 in pediatric neuroradiology in all fellowships.

1 Q. All right, so you help establish standards that  
2 medical schools and institutions across the country use?

3 A. Yeah, mostly fellowship programs. In order for  
4 a neuroradiology fellowship program to be accredited, it  
5 has to meet certain standards, and what we have done is  
6 establish standards, minimum standards for training in  
7 pediatric neuroradiology that make a neuroradiologist  
8 competent to interpret pediatric films, pediatric studies.

9 Q. Are you involved with any other national  
10 organizations, Dr. Barkovich, dealing with requirements  
11 for residents going into the practice of neuroradiology?

12 A. Well, I'm on a couple of committees dealing with  
13 resident education in neuroradiology and in -- and in MR,  
14 magnetic resonance imaging. I'm on a committee of the  
15 American College of Radiology for creating a teaching  
16 syllabus for residents in neuroradiology, and I'm  
17 obviously doing the pediatric neuroradiology section, and  
18 I'm also on a Joint Committee of the Society for Magnetic  
19 Resonance Imaging and a Society for Magnetic Resonance in  
20 Medicine again for resident training in, this time,  
21 magnetic resonance imaging, but in particular in the  
22 pediatric neuroradiology aspect of it.

23 Q. All right. Now, you mention magnetic resonance  
24 imaging. Is that the same thing as an MRI?

25 A. Yes.

26 Q. And is that the same thing as the MRI scans that

1 we're going to be talking about for Monica Wilson?

2 A. Yes, exactly the same thing.

3 Q. Dr. Barkovich, I looked at your CV and based on  
4 my count, you have written 61 papers, two books and then a  
5 number of chapters for books. Does my count sound about  
6 right?

7 A. Yeah, I will take your word for it.

8 Q. Okay. Would you tell us the titles of the two  
9 books that you have written?

10 A. Well, one of them is just called Pediatric  
11 Neuroimaging, and it basically deals with ultrasound, CT  
12 and MR scans of pediatric neurological diseases.

13 The other one is really kind of a little  
14 book, it's called The Practical -- it's called The  
15 Practical MR Atlas of Normal Brain Development, or  
16 something like that, and it's just a little booklet that  
17 shows how the appearance of the brain on MR scans changes  
18 from the newborn period until you reach maturity, until  
19 you reach adulthood, and it turns out that it's very  
20 confusing for people who don't see pediatric scans  
21 regularly because the pediatric brain looks very, very  
22 different than the adult brain for the reasons that we  
23 talked about earlier.

24 Q. Do you happen to have a copy of either of your  
25 books here so we could just show them to the jury?

26 A. Well, we're in an office that has our

1       neuroradiology library in it, and I suspect that there is  
2       a copy of one of the books. This is the big book.

3                   VIDEOTAPE OPERATOR: Set it, stand it  
4       right there.

5                   MS. MCINTYRE: Q. Okay, is that a  
6       textbook?

7                   A. Yes.

8                   Q. I won't have you show us all of the book  
9       chapters that you have written, Dr. Barkovich, but would  
10      you just tell us the titles of the chapter or what the  
11      chapters dealt with?

12                  A. I think all the book chapters, I'm going to have  
13      to -- I don't really remember them so I'm going to have to  
14      refer to here -- one of them was on congenital  
15      malformations of the brain, one of them was on normal and  
16      abnormal brain development, another one was on imaging of  
17      the phakomatoses, which is a particular group of diseases  
18      that affect the brain, and the other was on the effect of  
19      MR imaging on pediatric neuroradiology, because it's  
20      really revolutionized pediatric neuroradiology. We can  
21      see diseases and abnormalities that we never dreamed of  
22      before. It's become very important.

23                  Q. Okay. Now, are all of your 61 papers basically  
24      devoted to neuroradiology?

25                  A. Yeah, I think so.

26                  Q. Okay. And I won't make you go through each one

1 of the papers, but can you just tell us, Dr. Barkovich,  
whether some of the papers that you have written are  
particularly relevant to this case?

4 A. Yeah, I think the paper I did on the MR of  
5 perinatal asphyxia which came out, I guess, about six  
6 months ago in the American Journal of Neuroradiology has a  
7 significant bearing on this case.

8 What that paper does -- did, was it looked  
9 -- in that project we looked at a large number of patients  
10 who suffered some sort of asphyxia around the time of  
11 birth, and they were of many different gestational ages at  
12 the time of birth so there were some kids who were -- a  
13 lot of the kids were premature, and then there were a lot  
14 of kids who were term, and some kids who were post-term,  
15 and what we found was that you could correlate the pattern  
16 of brain damage with the gestational age of the infant at  
17 the time of asphyctic episode.

18 Q. All right.

19 A. So by looking at an MR scan you can tell pretty  
20 well if the child has had an asphyctic event and,  
21 furthermore, about what time it occurred, so, you know, if  
22 -- you know, we're not real exact. I mean we can  
23 basically tell the difference between late second  
24 trimester, early third trimester and late third trimester.

25 Q. Okay. So let me make sure that I'm  
26 understanding you. Based on your studies, you believe

1       that you can tell when a baby sustained an insult based on  
2       the type or the pattern of damage that you see in studies  
3       of the brain?

4             A.    Yes.

5             Q.    Now, Dr. Barkovich, can you do that even though  
6       an MR scan is taken after the time damage occurred?  I  
7       mean, for example --

8                   MS. McINTYRE::    Do we need to stop?

9                   REPORTER:    Please.

10                  VIDEOTAPE OPERATOR:    Okay, the time is  
11       approximately 10:40.  We're going off the record.

12                   (Discussion off the record.)

13                  VIDEOTAPE OPERATOR:    The time is  
14       approximately 10:45 and we're back on the record.

15                  MS. McINTYRE:    Q.    Dr. Barkovich, we're  
16       back after more technological difficulties.  Let me ask my  
17       question again.  Can you make these predictions about the  
18       time that damage to the brain occurs even though an MR  
19       scan is taken some years later?

20                  A.    Yeah.  It turns out that the pattern of damage  
21       is what's specific, and once a brain is damaged, it  
22       remains damaged and the pattern doesn't change.  In fact,  
23       in our study we looked at patients, I think the oldest one  
24       was 19 and as late as 19 years after the injury the  
25       pattern of damage was still unmistakable.  So that doesn't  
26       seem to be a factor.

1 Q. All right. Are there any other papers that you  
2 have written that you think are particularly applicable to  
3 this case? And I can go through and ask you about some of  
4 them that I have noted, if you would like.

5 A. Okay.

6 Q. All right. You have written some papers on  
7 anomalies of the corpus callosum in correlation with  
8 further anomalies of the brain. Would that be --

9 A. Yes, that would apply because what we find is  
10 that the corpus callosum tends to be thin when there is  
11 damage to the white matter, as is seen in Monica's scan.

12 Q. All right. And you have written several  
13 articles regarding development of the corpus callosum?

14 A. Yes.

15 Q. You have also written an article on MR of normal  
16 and abnormal myelination.

17 A. Yes.

18 Q. Does that deal with anything that we're talking  
19 about here?

20 A. Not really, not really. You can get delayed  
21 myelination in asphyctic brain damage, but it's rarely  
22 seen past the age of two and since Monica's scan was at  
23 age 8, it's really not applicable.

24 Q. Okay. I also see an article that you wrote,  
25 Pediatric Neuroimaging update, 1989 to 1990, Current  
26 Opinion in Pediatrics. What was that about?

1           A.    Oh, that was just a review article that  
2           summarized the pertinent papers on the subject of  
3           pediatric neuroradiology in the past year.  There were  
4           some papers that we reviewed that may have been pertinent  
5           to this, but review articles don't really count.

6           Q.    Okay.  I see you did write an article about  
7           cerebral palsy MR findings in 40 patients.

8           A.    Yeah, that one actually is applicable here  
9           because what that paper showed was that a significant  
10          number of cerebral palsy children had developmental brain  
11          abnormalities.

12          Q.    What do you mean by that?

13          A.    That means that those are injuries -- well,  
14          developmental brain abnormalities are either caused by  
15          genetic defects or caused by injuries that occurred in the  
16          first half of gestation.

17          Q.    All right.  I see that you have also written  
18          another article on CT and MR of profound perinatal and  
19          infantile asphyxia.

20          A.    Yes.

21          Q.    What was that about?

22          A.    Well, in that article which has only been  
23          submitted for publication, I haven't actually heard back  
24          from the journal yet, we looked at children, infants who  
25          suffered basically cardiocirculatory arrest, which is to  
26          say their heart stopped beating and they stopped getting



1 any blood to their brain and just --

2 MS. McINTYRE: I guess technological  
3 problems again. We will stop.

4 VIDEOTAPE OPERATOR: The time is 10:50.  
5 We're going off the record.

6 (Discussion off the record.)

7 VIDEOTAPE OPERATOR: The time is 10:50 and  
8 we're back on the record.

9 MS. McINTYRE: Q. Dr. Barkovich, because  
10 of your expertise in pediatric neuroradiology, have you  
11 given presentations across the country in or dealing with  
12 pediatric neuroradiology?

13 A. Yes.

14 Q. Could you tell us about some of the  
15 presentations that you have given?

16 A. Well, --

17 Q. I know you have given a number of them. Can you  
18 tell us --

19 A. Basically, you know, some of the present -- I  
20 don't know if you're talking about the paper presentations  
21 or the invited lectures.

22 Paper presentations, I have given a number  
23 of papers on similar subjects, developmental brain  
24 anomalies, asphyxia, cerebral palsy, that sort of thing at  
25 big radiology meetings, Radiological Society of North  
26 America, American Society of Neuroradiology meetings,

1 Society of Magnetic Resonance Imaging, and Western  
2 Radiological Society Meetings.

3 I have also spoken at a number of -- been  
4 invited to speak at a number of conferences in this  
5 country and in Europe, and I have also been a visiting  
6 professor at a number of teaching pediatric hospitals.

7 Q. All right. Where have you been a visiting  
8 professor?

9 A. Well, as best I can remember, Children's  
10 Hospital in Los Angeles, Children's Hospital in Orange  
11 County, Cincinnati Children's, Children's Hospital in  
12 Washington D.C. and at Free University in Amsterdam.  
13 Those are the most recent ones, anyhow.

14 Q. Okay. Have you given a number of seminars or  
15 presentations in interpretation of the MR scan?

16 A. Yes.

17 Q. Have you given those courses or presentations  
18 across the country?

19 A. Yes.

20 Q. Do you have any editorial responsibilities, Dr.  
21 Barkovich?

22 A. Yes.

23 Q. Would you tell us about those, please?

24 A. I review papers and I'm on the editorial board  
25 for the American Journal of Neuroradiology, I'm the editor  
26 of the neuroradiology issue of a journal called Current

1       Opinion in Radiology, and I review papers for a number of  
2       journals, American Journal of Radiology, American Journal  
3       of Neuroradiology, Journal of Computer-Assisted Tomography  
4       and Neurology.

5           Q.     And why are you reviewing the papers?

6           A.     Well, scientific papers don't just get sent in  
7       and published. They have to be reviewed. It's what is  
8       called peer review, and what that means is that when  
9       somebody writes a paper, a group of people write a paper,  
10      once they think they have it as good as they are going to  
11      get it they send it into the journal.

12                   What the journal then does, or at least  
13      what we call peer review journals, which are the ones that  
14      are prestigious, the journal then -- one of the journal  
15      editors then sends the manuscript out to two or three  
16      reviewers who are experts in whatever field the paper is  
17      written in, and then the reviewers look at the paper and  
18      try to determine whether it's worth publishing, whether  
19      it's good enough to be published or if there are some  
20      flaws in it, either flaws in logic, or flaws in their  
21      data.

22                   You try to pick those up and then we send  
23      our comments back to the editor who then assimilates our  
24      comments and sends a letter back to the -- sometimes they  
25      will just Xerox the copy of our review sheets. In other  
26      journals the editor assimilates our comments and puts in a

1        few of his own and then will send the manuscript back to  
2        the author or authors saying either, "Yes, it's a great  
3        paper. We would like to publish it if you make the  
4        following revisions," or, "Well, it's okay, but we're not  
5        going to publish it unless you do the following things,"  
6        or finally, in the really not so good papers we will say  
7        -- it will say, "We're sorry, we're not able to publish  
8        this paper. Our reviewers don't think it's worthwhile for  
9        the following reasons," and always try to give reasons.

10                I do it for a number of journals because I  
11        think it's a real important job. It takes a lot of time,  
12        but, you know, what you want to do, try to do is keep the  
13        quality of the journals up to snuff and not let -- I just  
14        don't like to read bad papers in a journal so you want to  
15        make sure that the papers are good and well thought out  
16        and that they have analyzed their data properly.

17                Q.    And that's because practicing physicians rely on  
18        those journals and papers?

19                A.    Absolutely, yeah, that's what keeps them  
20        up-to-date.

21                Q.    Are you Board certified, Dr. Barkovich?

22                A.    Yes.

23                Q.    And what areas are you Board certified in?

24                A.    I'm Board certified in radiology. There is not  
25        as of this time a neuroradiology Board exam. However,  
26        we're in the process of trying to get one. We just got

1 the fellowship program accredited. That was the first  
2 step in getting an actual Board exam, and we hope within  
3 four or five years to have a neuroradiology Board exam.

4 Q. Are you licensed to practice medicine?

5 A. Yes.

6 Q. Where are you licensed?

7 A. In California.

8 Q. Dr. Barkovich, perhaps you could put up an MR  
9 scan and I then ask you if you could give us a basic  
10 overview of the brain, the structures in the brain and  
11 their function, keeping in mind that we're lay people.

12 A. Okay, what I want to display here is that an MR  
13 scan is very versatile, and so you can see that these  
14 images look very different, these images on the left look  
15 very different than these images on the middle. That's  
16 because by using MR you can effectively cut the brain.  
17 You're not actually cutting anything, but you can get an  
18 image of the brain in any plane that you want.

19 So these are what we call sagittal images.  
20 These cut the brain from side to side and on this midline  
21 sagittal image, for example, what we have, this is what we  
22 call the cerebrum. You've heard of the cerebral  
23 hemisphere. This is the main functional part of the  
24 brain. This is the part of the brain that thinks.

25 This structure is the corpus callosum.  
26 This is a big collection of axons. Axons are basically

1 the wires that transmit signals from one part of the brain  
2 to the other and this is an accumulation of **axons that**  
3 transmit information from one side of the brain to the  
4 other. This would be the brain stem which transmits  
5 information to the face and to the spinal cord, and the  
6 spinal cord transmits information to the rest of the  
7 body.

8 This, these images in the middle are axial  
9 images. These cut the brain from top to bottom. This is  
10 the cerebellum, which has the function of largely  
11 modifying information that comes down from the cerebral  
12 hemispheres and what happens if you have an injury to the  
13 cerebellum is you lose coordination of your motions. It's  
14 basically something that coordinates.

15 This is the brain stem, which looks a lot  
16 different when you look at it in this axial section than  
17 it did when we look at it before, and then as we come --  
18 now we're coming up from the bottom toward the top of the  
19 skull.

20 These are the eyes, eye balls, if you will,  
21 and as we come up higher we get into the cerebral  
22 hemispheres, and this would be the right cerebral  
23 hemisphere and the left cerebral hemisphere.

24 Now, in these hemispheres -- let's go to  
25 this image because I think it shows things better -- you  
26 have the gray matter, the cerebral cortex, and remember

1       when you were a kid everyone told you to eat fish because  
2       it gives you -- it's good for your gray matter, and the  
3       gray matter are the cell bodies. This is where most  
4       thought and actions are generated, and they have connected  
5       to them long processes that are called axons and  
6       dendrites, and the axons and the dendrites communicate  
7       with other neurons, and in order to get the other neurons  
8       they usually go through this part of the brain.

9               See this lighter area in here? This is  
10       called the white matter, and those are -- those axons are  
11       the wires that I talked about before that transmit  
12       information from one part of the brain to another part of  
13       the brain. It can either be from the front to the back or  
14       from side to side.

15            Q.    Could you show us that white matter again, Dr.  
16       Barkovich?

17            A.    Sure. You can see the outer darker part here,  
18       that's gray matter. That's cerebral cortex and underlying  
19       it is this white matter. See this area that's brighter,  
20       higher signal intensity? That's white matter. And those  
21       are just -- those are just axons. Those are wires, the  
22       wiring of the brain.

23               And then in the deep part of the brain you  
24       have more gray matter. These are what we call the deep  
25       gray nuclei or vasoganglia, and these are older nuclei  
26       that have importance in some older functions like pain,

1 the sensation of pain. They also tend to modify actions  
2 and smooth out actions.

3 If you injure the vasoganglia you can have  
4 wild arm motions or what we call athetoid motions, or you  
5 can get Parkinson's disease where you can get a tremor.  
6 And these very dark areas are what we call the cerebral  
7 ventricles, and these are areas that are just filled with  
8 fluid, spinal fluid, and the function of the spinal fluid  
9 isn't really clear. It's part shock absorber, it partly  
10 supplies nutrients to the brain, but all of the functions  
11 aren't really known. And that's basically a tour through  
12 the brain.

13 Q. All right. Thank you. You can sit down then  
14 for a minute. You said earlier that if a baby sustains an  
15 insult to the brain around term, for example, that that  
16 insult would look different, the damage in the brain from  
17 that would look different from what you would see from a  
18 insult producing damage earlier in the pregnancy.

19 A. Yeah, that's right.

20 Q. What do you typically associate with damage from  
21 asphyxia around term, the time of birth?

22 A. Well, what I look for is damage in specific  
23 areas of the brain, and those areas that tend to be  
24 damaged are areas that have been called the intervascular  
25 boundary zones, or more commonly referred to as watershed  
26 areas, and the analogy I like to use is with watering your



1 lawn.

2 Now, the brain has three major blood  
3 supplies, three big arteries, if you will, hoses that go  
4 to the brain to bring blood, the anterior cerebral artery  
5 is the first, the middle cerebral artery, and then the  
6 posterior cerebral artery. Now, if you're watering your  
7 lawn you want to make sure that all of the areas of grass  
8 get watered, right? Because if some area doesn't get  
9 watered it's going to burn while everything else is  
10 green.

11 The brain does the same thing. There is  
12 overlap of these vessels. Now, if you drop the water  
13 pressure in your water system there are going to be  
14 certain areas of your lawn that aren't going to get  
15 watered, there are certain areas that if all those  
16 sprinklers overlap and you decrease the pressure going to  
17 them there are certain areas that are going to get burnt,  
18 and the same thing is true in the brain.

19 If you drop the blood pressure there are  
20 certain specific areas of the brain that don't get enough  
21 blood, and those are what we call the watershed areas, and  
22 typically in a term infant those watershed areas are out  
23 peripherally, involving the more peripheral white matter  
24 and the cerebral cortex.

25 Q. All right. Now, did Monica Wilson, the baby  
26 involved in this case, have damage to these more

1       peripheral areas of the white matter and to the cortex?

2           A.    No, she does not.

3           Q.    All right. And, Dr. Barkovich, when you said  
4       that this is the kind of damage you will see **from** asphyxia  
5       around term, what are you meaning by term?

6           A.    Well, in our study we started seeing this kind  
7       of peripheral watershed damage starting at about 36 or 37  
8       weeks gestational age, and it continued up through about  
9       44 weeks.

10          Q.    All right.

11          A.    So even post-term.

12          Q.    So Monica did not have the type of damage that  
13       you would associate with asphyxia from 36 weeks gestation  
14       until term, her birth?

15          A.    That's correct.

16          Q.    Dr. Barkovich, Monica had ultrasounds and a CAT  
17       scan and an MRI study done in this case. Can you tell us  
18       a little bit about each study, why they are done, and how  
19       they differ from each other?

20          A.    Sure. The sonogram was done first and that's  
21       typical. Sonograms are usually the first study we do on a  
22       baby who is either a premature baby or maybe is having  
23       some sort of problems that might be attributed to the  
24       brain, and the reason we do sonograms first is because  
25       sonograms are portable. You can take the ultrasound  
26       machine, which is what you do sonograms with.

1 Q. Okay.

2 A. The better term I guess is "ultrasonograms," but  
3 "sonograms" is easier. You take the ultrasound machine to  
4 the neonatal intensive care unit, you put it up right next  
5 to the incubator and there is a transducer, we call it a  
6 transducer, which looks just like a microphone, just like  
7 this thing, and it turns out that baby heads, as anyone  
8 who has had a baby knows, have openings between the bones  
9 of the skull, what we call the anterior and the posterior  
10 fontanelle, and that's really valuable because there is no  
11 -- ultrasound waves don't go through bone, but since there  
12 is no bone in the middle of the brain -- in the middle of  
13 the skull, there is what we call a sonographic window, and  
14 you go up there and you angle the transducer, and what  
15 comes out of the transducer is little sound waves, and the  
16 sound waves go in and when they hit surfaces some of them  
17 reflect back.

18 And depending upon the type of tissue it  
19 hits, more or less of the sound waves bounce back, and by  
20 modifying those with the computer, you can get a  
21 reasonable picture of the brain, at least the central part  
22 of the brain, and any disease, damage, pathology, whatever  
23 you want to call it that's going in there. So we always  
24 try to do sonograms first.

25 Q. And for our purposes "sonogram" is the same as a  
26 cranial ultrasound?

1           A.    Yeah, "cranial ultrasound," "sonogram," they are  
2           the same thing.

3           Q.    Okay.

4           A.    So we always try to do those first because they  
5           are non-invasive, they are portable, the baby never has to  
6           leave the incubator.

7           Q.    Okay. Now, Monica also had a **CT** scan.

8           A.    Right. A **CT** scan is different. It's not  
9           portable. It uses instead of sound waves, X-rays, and  
10          basically, you put the child -- in this case they would  
11          have put Monica on a little table and run the table into  
12          what looks like a big donut, and within the solid part of  
13          the donut are -- depending on the type of scanner, one or  
14          several X-ray tubes, and then a bunch of X-ray detectors  
15          and what they do is they do -- they put X-rays in from a  
16          bunch of different angles all the way around the head and  
17          then use a computer to analyze that data and reformat  
18          pictures of the skull and brain, and it's a little bit  
19          limited in that you can only get slices that are in the  
20          plane of the donut so you can get different angles of the  
21          head, but you have to do that by angling the head, which  
22          is often very difficult.

23          Q.    All right. Now, Monica also had a MR scan done  
24          in September of 1990, I believe. How does an MR scan  
25          differ from a CT scan and from a ultrasound?

26          A.    An MR scan also isn't portable so it's like a CT

1 scan in that sense. It's different from a CT in **that it**  
2 uses magnetic waves instead of X-rays to do the imaging,  
3 and it's kind of complex, but basically, if you put energy  
4 into a substance you always get energy back out, and the  
5 energy comes back out in the form of magnetic waves, too,  
6 and by just modifying the energy that you put in, you can  
7 get spatial information from the energy coming out, which  
8 is to say where exactly that piece of information was  
9 coming from within the head, and then again you use  
10 computers, pretty sophisticated computers to reconstruct  
11 images of little sections of the brain, and the beauty of  
12 MR is -- well, there are many nice aspects of it.

13               Number one is with MR by changing the rate  
14 at which you sample the portions of the brain, the rate at  
15 which you put in those magnetic waves you can make the  
16 brain look different and get different types of  
17 information.

18               The other nice thing about MR is that you  
19 can angle your scan in any way you want so what we  
20 traditionally -- whereas in CAT scans traditionally we  
21 just go from the top to the bottom of the brain, what we  
22 call axial or transverse scans, with MR we do axial scans,  
23 we do sagittal scans, side to side, and we will often do  
24 coronal scans which images the brain from front to back.  
25 And if you really want to you can image it at funny odd  
26 angles to try to see certain things better.

1           Q.    So does this mean you can get more information  
2    about the condition of the brain with a MR scan?

3           A.    Yeah, yeah, MR is the best study we have  
4    available right now.

5           Q.    Dr. Barkovich, did you review Monica Wilson's MR  
6    scans, CT scan and cranial ultrasounds?

7           A.    Yes, I did.

8           Q.    And would you put up Monica's ultrasounds and  
9    tell us how you interpreted them, please?

10          A.    Sure.

11                   MS. McINTYRE:    And I would like to mark  
12    the cranial ultrasounds as Defendant's Exhibit 31 and  
13    offer them. Do you have any objection?

14                   MR. LOPEZ:    No.

15                           (Defendants' Ex. 31, cranial  
16                           ultrasounds of Monica Wilson,  
17                           was marked for identification.)

18          A.    Okay, there are five sheets of film here and I'm  
19    going to put them all up. Actually, I think I will put **up**  
20    four of them. The two on the left were done on the 12th  
21    of April, **1982** and the two on the right were done one week  
22    later on the 19th of April, **1982**.

23          Q.    So the first set of ultrasounds were done when  
24    Monica was about three days old?

25          A.    Three days old, yes.

26          Q.    Okay. Will you interpret those ultrasounds for

1 us?

2 A. Sure. Okay, these scans, the sheet of film on  
3 the left is from a sagittal scan, which means they took  
4 the transducer and they angled it from front to back and  
5 got an image basically from front to back of the brain,  
6 and they are primarily focusing, according to this, on the  
7 left half of the brain. This "LT" means left.

8 And what we see is that there is a large,  
9 bright mass. Now, the term ultrasonographers use is  
10 "echogenic," meaning that it creates more echoes of the  
11 sound waves, which is more sound waves bounce back to the  
12 transducer.

13 An echogenic mass adjacent to the left  
14 lateral ventricle, and here you can see a little bit of  
15 the ventricle or these bright reflections more anteriorly,  
16 and then this one is labeled "right," so this is the right  
17 side of the brain, which is a similar level to what we see  
18 right here, but here you can see that there is not this  
19 big echogenic mass. So this is a normal side.

20 Q. All right. Could you show us --

21 A. This is the abnormal side.

22 Q. -- show us the echogenic bright mass that you're  
23 referring to --

24 A. Sure.

25 Q. -- on the left side of the brain?

26 A. It's right here, and you can appreciate that

1       it's much brighter than surrounding brain tissue.

2               Q.   All right. And do you have an opinion to a  
3       reasonable degree of medical probability --

4               A.   Let me show the ax -- the sag -- the coronal,  
5       excuse me, image first.

6               Q.   All right.

7               A.   This is now taken in a plane from front to back,  
8       which they do by angling the transducer from side to side,  
9       and what this shows is a little bit of the lateral  
10       ventricle, this is the midline, here is the other  
11       ventricle. The ventricles are actually even a little bit  
12       big here, which is a little surprising with a big  
13       hematoma. Okay, this is --

14                       VIDEOTAPE OPERATOR:   Can you go back and  
15       point out the hematoma again? I didn't get that.

16                       THE WITNESS:   Yeah.

17               A.   This is the hematoma, or this is the big  
18       echogenic mass which I think is a hematoma and I think  
19       subsequently proved to be a hematoma. And you can see  
20       that there is some enlargement of the ventricular system,  
21       which is rather unusual when there is a big space  
22       occupying mass.

23                       The other thing is I don't see a lot of  
24       associated brain edema, at least not in the other  
25       hemisphere.

26               Q.   What's the significance of that?



1           A.   Well, you would think that if there was a  
2           significant asphyctic event, which is to say if the  
3           patient had been significantly asphyxiated at the time of  
4           birth three or four days earlier you would expect to see  
5           edema because the edema tends to maximize about between  
6           two and five days after an injury.

7           Q.   All right. Now, why do you expect to see edema  
8           after birth if there has been asphyxia at birth?

9           A.   Well, edema is a pretty complicated subject but  
10          basically, asphyxia damages blood vessels, and when blood  
11          vessels are damaged fluid from them leaks out into the  
12          brain, and so there is too much fluid in the brain and too  
13          much fluid in the brain is brain edema by definition.

14          Q.   All right. So does the absence of cerebral  
15          edema on these scans lead you to any conclusions regarding  
16          whether Monica Wilson experienced asphyxia at birth?

17          A.   I would say it makes it unlikely.

18          Q.   All right. You said that her ventricles were a  
19          little big and that that was --

20          A.   Well, the left side, the ventricle on the left,  
21          the ventricle on the left side looks a little big. On the  
22          right side it's compressed by the hematoma.

23          Q.   All right. Now, you're using the term  
24          "hematoma." Does that mean the same thing as  
25          "hemorrhage"?

26          A.   Well, a hematoma is a localized collection of

1 blood.

2 Q. Okay.

3 A. So hemorrhage is actually the process of  
4 bleeding and hematoma is the collection of blood that  
5 results.

6 Q. All right. Do you have an opinion to a  
7 reasonable degree of medical probability regarding what  
8 the bright echo dense areas in the left side of the brain  
9 represent?

10 A. I just said that it was a hematoma.

11 Q. Okay.

12 A. Which is a collection of blood.

13 Q. All right. If we put up the MR scans now --

14 A. Okay.

15 Q. Dr. Gillis -- Dr. Barkovich, sorry, would you  
16 give us your interpretations of the MR scans --

17 A. Sure.

18 Q. -- that were taken for Monica?

19 A. Okay. Well, the MR scans have several abnormal  
20 findings. We pointed out the corpus callosum before,  
21 which is the bundle of axons that connects one cerebral  
22 hemisphere with the other. This corpus callosum is much  
23 too thin. It's diffusely thin.

24 Sometimes if you just have a hematoma or a  
25 focal area of injury you will get thinning of the corpus  
26 callosum but it tends to be much more localized, but this

1 is diffusely thin, and that would indicate that there is a  
2 diffuse loss of cerebral white matter.

3 A couple other findings on this scan, the  
4 cerebellum looks a little bit small and the pons,  
5 especially the belly of the pons, this anterior portion  
6 looks small, and then there is this large -- appears to be  
7 fluid-containing cyst area of encephalomalacia.

8 Q. What's that?

9 A. Encephalomalacia is just an area of damaged  
10 brain.

11 Q. All right.

12 A. In the left parietal lobe.

13 Q. Is that in the area where the baby earlier had  
14 the hemorrhage?

15 A. Yes, it is.

16 Q. On the ultrasound?

17 A. Now, we get out of that plane now and go into  
18 the axial plane, which is again where we cut the brain  
19 from top to bottom, and the findings here again are that  
20 the pons, which is this middle portion of the brain stem,  
21 is a little small, and when we come up a little higher we  
22 start seeing this hole in the brain, this area of  
23 encephalomalacia or this cyst, whatever you want to call  
24 it. It's basically an area that's filled mainly with  
25 fluid. I don't know that it's truly a cyst because  
26 sometimes on MR you can miss little strands of tissue

1 running through it, so I don't know that it's a pure cyst.

2 The other finding here is that there is  
3 just not enough cerebral white matter. Normally there is  
4 a significant amount of white matter between the lateral  
5 ventricle and the cortical gray matter, and here you can  
6 see that the cortical gray matter comes almost right up to  
7 the ventricle, and you see that at basically every level,  
8 just not enough white matter in this brain.

9 And so when you don't see enough white  
10 matter in the brain it's normally because it's been  
11 damaged in some way.

12 Now, what I don't see here -- and I'm going  
13 to get to these other images. These are a slightly  
14 different way of looking at the same information. What I  
15 don't see here is any thing of the cortex in the watershed  
16 region.

17 Remember, we talked about the watershed  
18 regions and said that those are areas that if there is not  
19 enough blood getting to the brain will be damaged, and  
20 those areas lie right about where my pen is going here,  
21 right about here, right about here and right about here.

22 Now, you can see that in one of the  
23 watershed areas there is damage, but that's due to the  
24 hematoma, and you don't get just watershed damage to one  
25 watershed area from asphyxia. It happens to all four of  
26 them. Then the fact that we don't see any thinning of the

1 cortex, no damage to the subcortical white matter in any  
2 of these areas makes asphyxia at term so unlikely as to be  
3 almost impossible in this case, or at least there was not  
4 asphyxia significant enough to cause brain damage.

5 Q. All right.

6 A. If there was -- if it was, that's where we would  
7 see the brain damage.

8 Q. And you say again when you're using the asphyxia  
9 term are you meaning there was no significant asphyxia to  
10 cause brain damage from 36 weeks gestation to birth?

11 A. Right, right.

12 Q. Okay.

13 A. The other place I look on these scans is on  
14 these images. Now, these, you can see it's a little  
15 different here. The fluid in the ventricles is white.  
16 These are what we call T2-weighted images, and basically  
17 the way you get T2-weighted images is to just wait longer  
18 between sampling. Remember, I said you put the  
19 electromagnetic waves in and then you put them in again,  
20 and depending on how you space your sampling you can get  
21 the brain to look a little different.

22 But here we've made the brain darker and  
23 the fluid whiter. Now, this is a real useful sequence,  
24 and the reason it's useful is because damaged brain has a  
25 higher water content, and so what you look for is areas of  
26 bright signal on the T2-weighted image to indicate damaged

1 brain that may not be apparent on these other images we  
2 looked at, and so what you want to do is again look at  
3 these watershed areas for damaged brain and I don't see  
4 any evidence of it. I don't see any T2 prolongation.  
5 There is a little bit of damaged brain right adjacent to  
6 the lateral ventricle here on the right, here on the left,  
7 but, you know, this periventricular white matter damage is  
8 not at all typical of what you see in asphyxia at term.

9 Q. All right. That brings me to my next question  
10 then, Dr. Barkovich. Do you have an opinion to a  
11 reasonable degree of medical probability, first of all,  
12 regarding the cause of the decreased white matter in  
13 Monica's -- depicted on Monica Wilson's MR scans?

14 A. Well, I think there are two possibilities. Now,  
15 this is typical of the pattern as a result of decreased  
16 blood flow to the brain from about 28 to 34 weeks  
17 gestational age in the first half of the third trimester,  
18 so I suppose it's possible that she had an episode of  
19 hypotension or asphyxia, whatever you want to call it, in  
20 utero at about that time.

21 However, I have also seen a number of  
22 patients now who had this pattern of brain damage when the  
23 mother had chronic urinary tract infections, and it's been  
24 shown experimentally that endotoxin, which is a substance,  
25 a really complex molecule that is made and sent out into  
26 the blood by certain types of bacteria, particularly

1 gram-negative bacteria such as are usually involved in  
2 urinary tract infections.

3 It's been shown that endotoxin causes  
4 damage to the periventricular white matter, and as I say,  
5 I have seen at least three young children with the same  
6 pattern of damage in mothers who had urinary tract  
7 infections and had completely normal deliveries and normal  
8 perinatal courses.

9 Q. Now, let me stop you and ask you a question.  
10 You're saying that if there is a gram-negative bacteria  
11 causing a urinary tract infection, that the gram-negative  
12 bacteria can produce endotoxins. Are endotoxins  
13 byproducts?

14 A. Endotoxins are products of the bacteria.

15 Q. Okay. And --

16 A. They secrete these toxins into the blood.

17 Q. All right. And would a fair analogy for the  
18 endotoxins be like they are poisonous substances?

19 A. Yeah, yeah, they are a toxin. They are  
20 poisonous substances. Now, the exact mechanism by which  
21 they work I don't think has been fully elucidated, fully  
22 figured out.

23 Floyd Gillis did a number of experiments in  
24 the '70's where in experimental animals he put endotoxin  
25 into their peritoneal cavities, in their belly, and looked  
26 at the pattern of brain damage. What he found was that

1       there was significant periventricular white matter damage  
2       in them.

3                       Now, his interpretation was that this was  
4       -- damage was a direct result of the endotoxin, that the  
5       endotoxin gets into the blood vessels to the brain and is  
6       poisonous to the brain and causes damage to the brain, and  
7       he didn't have a good explanation as to why it was  
8       periventricular.

9                       These is a Japanese -- a study by some  
10       Japanese authors on endotoxin in rabbits where they  
11       measured blood flow in different parts of the brain, and  
12       they showed a marked reduction in the blood flow to the  
13       periventricular white matter with only a very small  
14       reduction in blood flow to the outer portions of the  
15       brain, to the cerebral cortex.

16       Q.   And was that due to the action of endotoxins?

17       A.   Well, that's what they -- yeah, it was caused by  
18       endotoxins so their theory was that this periventricular  
19       white matter damage has caused a decreased flow to the --  
20       blood flow to the periventricular white matter.

21       Q.   All right.

22       A.   As a result of the endotoxin.

23       Q.   Now, I guess there is still some debate about  
24       how actually the endotoxins do cause damage in the brain,  
25       but is it pretty much universally recognized amongst  
26       pediatric neuroradiologists and neuropathologists that



1       endotoxins from gram-negative bacteria will cause  
2       damage --

3           A.     Yeah.

4           Q.     -- in the baby's brain?

5           A.     I don't think anyone has any question that  
6       endotoxin causes brain damage.

7           Q.     You mentioned, Dr. Barkovich, that there were  
8       some small areas, I think, in the right periventricular?

9           A.     Yeah, right over here you see there are some  
10       areas of high signal -- abnormal high signal. These are  
11       in the white matter adjacent to the ventricle, right next  
12       to the ventricle.

13          Q.     Okay. Would those areas be consistent with  
14       damage from endotoxins?

15          A.     Yeah, absolutely.

16          Q.     Okay. Why don't you go ahead and have a chair?

17          A.     Thank you.

18          Q.     Do you have an opinion to a reasonable degree of  
19       medical probability, Dr. Barkovich, regarding the cause of  
20       Monica Wilson's too thin corpus callosum?

21          A.     Yeah, I think her corpus callosum is too thin  
22       because -- as a result of the damage to the white matter.  
23       As I said, the white matter runs through the corpus  
24       callosum. If you damage the white matter the corpus  
25       callosum will get thin just because there are fewer of  
26       those wires running through there.

1           Q.    All right.  So this -- the same mechanism that  
2           is causing the -- let me strike that and start over.  So  
3           you're saying that the corpus callosum is also too thin or  
4           too small because of damage from endotoxins?

5           A.    Yes.

6           Q.    All right.  Now, you mentioned that it's well  
7           known that gram-negative bacteria which produce urinary  
8           tract infections can cause this endotoxin damage.  Is  
9           there any evidence that Mrs. Wilson had urinary tract  
10          infections with gram-negative bacteria during her  
11          pregnancy with Monica?

12          A.    Well, yeah, she had Klebsiella, which is a  
13          gram-negative bacteria, and E. coli, Escherichia coli,  
14          both cultured from her urine several times, and both of  
15          those are gram-negatives and both of them produce  
16          endotoxins.

17          Q.    All right.  So both of those organisms are  
18          known to produce endotoxins which can cause this type of  
19          brain damage?

20          A.    Yes.

21          Q.    You also said that Monica's cerebellum and pons  
22          were small.  Do you have an opinion to a reasonable degree  
23          of medical probability regarding the cause of those  
24          conditions?

25          A.    Yeah.  There is no evidence of later damage to  
26          the cerebellum or pons.  You rarely see a small pons as a

1 result of something that happens at term or later, so I  
2 would suspect that the pons is small because the  
3 cerebellum is small.

4 The pons is kind of a relay station for  
5 fibers from the cerebellum as they go down to the spinal  
6 cord and up into the major part of the brain. So I thin  
7 the pons is small because the cerebellum is small.

8 It's really hard to say whether the  
9 cerebellum is small because of some congenital genetic  
10 problem or whether it might also have been due to the  
11 endotoxin. People who have done endotoxin studies have  
12 looked at the cerebellum less than the cerebral  
13 hemispheres, but I certainly think it's very possible that  
14 the cerebellum is small as a result of the endotoxin, too.

15 Q. All right. Do you believe that the pons and  
16 cerebellum are small because of asphyxia from 36 weeks  
17 gestation until birth?

18 A. No, I don't think so.

19 Q. And is that because there is a complete lack of  
20 any findings associated with birth asphyxia?

21 A. You can get involvement of the cerebellum in  
22 profound asphyxia, but it has to be very, very severe,  
23 profound asphyxia. You know, the cases where I have seen  
24 cerebellar involvement are when kids, you know, have no  
25 blood going to their brain for 20 or 25 minutes.

26 Q. Okay.

1           A.     It tends to be relatively spared in most cases.

2           Q.     All right, so it's your opinion to a reasonable  
3 degree of medical probability that Monica's small  
4 cerebellum and pons are not due to asphyxia around the  
5 time of birth?

6           A.     Yes.

7           Q.     Do you have an opinion to a reasonable degree of  
8 medical probability regarding the cause of the  
9 intercranial hemorrhage, left intercranial hemorrhage that  
10 Monica had?

11          A.     Well, I think that might be a little more  
12 difficult. That kind of hemorrhage is unusual in term  
13 kids. It's not uncommon in premature kids. To see it in  
14 a term baby you have to implicate either a coagulation  
15 defect, and my understanding is she did have too few  
16 platelets, that she had thrombocytopenia.

17          Q.     All right.

18          A.     So she did have a coagulopathy, as we call it,  
19 and sometimes a coagulopathy in itself will cause a  
20 spontaneous hemorrhage. If you have a preexisting injury  
21 and a coagulopathy then that's going to make it even more  
22 prone to injury.

23          Q.     All right.

24          A.     So I think it's certainly a possibility that the  
25 brain being already damaged as a result of the endotoxin  
26 periventricular brain damage made her even more

1       susceptible to a bleed that resulted from her coagulation  
2       defect. And I would say that would be the most likely,  
3       although, I tell you, a lot of kids have bleeds and we  
4       just never find a reason for it.

5           Q.    Okay. Let's do it this way, if we assume that  
6       -- let me back up and ask you this question. When a child  
7       has damage to the brain from endotoxins is that damage  
8       frequently bilateral, on both sides of the brain?

9           A.    From endotoxin?

10          Q.    Yes.

11          A.    Yes.

12          Q.    So if we can see damage around the right  
13       ventricle in the periventricular area from endotoxins is  
14       it likely that Monica also had damage on the left side of  
15       the brain in that area from endotoxins?

16          A.    Yes, I think -- to be honest with you,  
17       everywhere around the ventricles was damaged. We may not  
18       see remnants of it everywhere, but the fact ,that there is  
19       not enough white matter in any of the -- anywhere around  
20       the ventricles means that there was diffuse damage. Also  
21       the fact the corpus callosum is thin in its entirety, I  
22       think the whole of the periventricular white matter was  
23       damaged.

24          Q.    And that's all due to endotoxins in your  
25       opinion?

26          A.    Yeah, I would think so.

1           Q.    All right.  So if a child has preexisting damage  
2           in the brain like you have described and then the child  
3           becomes thrombocytopenic with platelets of 50,000 and  
4           below, would those two conditions likely result in a  
5           hemorrhage?

6           A.    Well, I think they could.  I mean if -- I don't  
7           know what the answer would be if you had 100 kids in that  
8           situation how many of them would hemorrhage.  I can't  
9           answer that.

10          Q.    All right.

11          A.    But I think that there are no other likely  
12          causes in this child.

13          Q.    All right.  So what do you believe is the most  
14          likely cause of Monica Wilson's hemorrhage in this case?

15          A.    Well, I guess the most likely would be the  
16          coagulopathy probably in conjunction with some preexisting  
17          brain damage.

18          Q.    All right, and by "coagulopathy" you're  
19          meaning --

20          A.    Low platelets.

21          Q.    Low platelets.  Dr. Barkovich, you are  
22          testifying as an expert witness on behalf of Overlake  
23          Hospital in this case?

24          A.    Yes.

25          Q.    And are you being compensated for your time?

26          A.    Well, --

1           Q.    You hope.

2           A.    I expect to, yes.

3           Q.    All right.  And is that customary when doctors  
4 or nurses testify in cases?

5           A.    Yeah.

6           Q.    They do receive payment for their time?

7           A.    It's part of our section policy because  
8 basically it's taking you away from work, and so Dr.  
9 Norman, our section head, has established that policy.

10          Q.    All right.  One other quick question.  Do you  
11 have an opinion, based on the ultrasounds that you have  
12 reviewed, regarding when Monica's hemorrhage occurred?

13          A.    Well, it looks like a reasonably acute  
14 hemorrhage and it was done on the -- the scan was done at  
15 age three -- three days, so Monica was three days old at  
16 the time they did the ultrasound.

17                   I would say from the appearance of the  
18 hemorrhage it really hasn't -- hemorrhage starts out as  
19 just a big collection of blood cells and then it undergoes  
20 a process we call organization where the clot kind of  
21 retracts, so it turns into a big clot, and then you get  
22 some fluid around it, and that happens over a matter of  
23 days.  I would say she is still in the acute phase before  
24 there has been significant retraction of the clot, and so  
25 I would say within three days or so of that scan.

26          Q.    Okay.

1           A.     I don't know that I can time it any better than  
2     that.

3           Q.     All right. Now, let me ask you this question,  
4     do you think that the timing of the hemorrhage made any  
5     difference in terms of Monica's condition and her ultimate  
6     outcome?

7                     MR. LOPEZ:    I guess I will interpose an  
8     objection. I don't think there is a foundation  
9     established that Dr. Barkovich has the expertise to answer  
10    that question.

11                    MS. MCINTYRE:   Well, let me go ahead and  
12    then lay some foundation so that I can ask you that  
13    question, Dr. Barkovich.

14           Q.     Did you review the University Hospital records  
15    for Monica Wilson?

16           A.     I saw some of them, yes.

17           Q.     All right. And did -- was there any surgical  
18    intervention when Monica's intercranial hemorrhage was  
19    discovered?

20           A.     No, there was not.

21           Q.     All right. And what do we mean by surgical  
22    intervention to treat a hemorrhage?

23           A.     Well, when a hemorrhage gets very large to the  
24    point where it compresses the brain so severely that it  
25    endangers the rest of the brain, surgeons will go in and  
26    -- "evacuate" is the word we use -- the hemorrhage, which



1 is to go in and remove the clot to allow the brain to  
2 expand.

3 Q. All right.

4 A. When they evacuate the clot you're still left  
5 with a big hole in the brain.

6 Q. Okay. And that procedure was not done at any  
7 time for Monica -- was not done?

8 A. Yes.

9 Q. At any time for Monica?

10 A. Right.

11 Q. Let me ask you to assume that Monica Wilson's  
12 hemorrhage had occurred in utero on April 7th and that  
13 Monica had been delivered on that date, do you believe  
14 that there would have been any difference in Monica's  
15 outcome?

16 A. Well --

17 A. Again, I will interpose the same objection. I  
18 don't think that Dr. Barkovich has the expertise to  
19 testify with regard to these issues. He is certainly a  
20 neuroradiologist, but as far as outcomes that occur in  
21 patients, I don't see the expertise.

22 MS. MCINTYRE: Q. Do you feel qualified  
23 to answer that question, Dr. Barkovich?

24 A. Well, let me just say that in cases like this I  
25 often discuss the cases with the neurosurgeons when they  
26 come down and decide whether or not to operate, and the

1 big question is usually how much compression of the brain  
2 there is. Is the patient going to herniate is the big  
3 question. Is the blood clot so big that it's going to  
4 compress the brain down through the tentorial opening,  
5 what we call the tentorial incisura and cause significant  
6 brain damage as a result of that.

7 If -- that's usually manifesting clinical  
8 symptoms as well as radiological findings, and the  
9 surgeons usually depend on both the clinical  
10 symptomatology and the radiological findings. Speaking  
11 from the radiological findings, and not --

12 Q. Good.

13 A. -- from the clinical findings, there was not a  
14 lot of mass effect on the brain in that ultrasound.

15 Q. And what does that mean?

16 A. That means that the brain was not severely  
17 compressed by that hematoma.

18 Q. And what is the significance of that?

19 A. The significance of that is that in that case,  
20 in a relatively sick newborn surgeons will usually choose  
21 not to operate, figuring that the trauma of the surgery is  
22 going to be at least as severe as the effect of the  
23 hematoma.

24 Q. All right. Dr. Barkovich, are you aware of any  
25 treatment in utero that could have been given for the  
26 endotoxin damage that the baby was experiencing?

1           A.    Well, that is clearly beyond my area of  
2           expertise.

3                   MS. McINTYRE::    All right. I think we  
4           will stop here. I don't have any more questions. Thank  
5           you.

6                   VIDEOTAPE OPERATOR:    The time is 11:40 and  
7           we are going off the record.

8                                   (Recess taken.)

9                   VIDEOTAPE OPERATOR:    The time is 12:00 and  
10          we are back on the record.

11                   MS. McINTYRE:    Q.    Dr. Barkovich, I have  
12          an additional question to ask you. Monica was  
13          microcephalic at birth with a head circumference of 32.2  
14          centimeters. Would that condition be consistent with a  
15          lack of white matter in the brain secondary to endotoxin  
16          damage from infection?

17                 A.    Yes, it would.

18                   MS. McINTYRE::    All right, I have nothing  
19          further.

20

21                                   EXAMINATION BY MR. LOPEZ:

22                 Q.    Dr. Barkovich, you became a radiologist I guess  
23          in 1984 or Board certified maybe in 1984?

24                 A.    Yes.

25                 Q.    And I think you mentioned that you were not a  
26          pediatrician and didn't have a pediatric specialty?

1           A.    That's right.

2           Q.    Okay, and I think you also mentioned that you  
3 weren't a neurologist and that you didn't have a neurology  
4 specialty?

5           A.    That's correct.

6           Q.    On the urinary tract infections and endotoxins,  
7 what would be the sort of doctor that would be expert with  
8 regard to that?  Would that be gynecologic or an  
9 obstetrician-gynecologist?

10          A.    Who would be an expert in what, in urinary tract  
11 infections.

12          Q.    Yeah, urinary tract infections or endotoxins,  
13 those sorts of things?

14          A.    Well, I guess the person who would be the most  
15 expert would be an infectious disease specialist.

16          Q.    What about -- and that's something -- that's not  
17 within your specialty?

18          A.    No, but the effects of infectious diseases on  
19 the brain are within my specialty.

20          Q.    The -- now, you already mentioned that you have  
21 been retained by Overlake Hospital to testify in this  
22 case?

23          A.    Yes.

24          Q.    And you have also been hired to give expert  
25 opinions in other medical malpractice cases, as well?

26          A.    Yes, that's true.

1           Q.    In what percent of those medical malpractice  
2 cases do you appear for the defense?

3           A.    Probably -- I don't know, probably pretty close  
4 to 50-50.

5           Q.    Has that percentage changed over the years?

6           A.    I don't think so.

7           Q.    Was there a time when it was 80% for the  
8 defense?

9           A.    Yeah, I would say when I first started I was  
10 contacted more by defense attorneys and, you know, when I  
11 first started doing it it was probably about 80% for the  
12 defense and 20%. I think recently plaintiff attorneys  
13 have sort of caught up with the fact that neuroradiology  
14 is very important in these cases and I have been contacted  
15 more and more by plaintiff attorneys. It turns out that  
16 sometimes the films favor one side and sometimes they  
17 favor other sides.

18          Q.    How much per hour do you charge for legal  
19 consultation?

20          A.    \$500.

21          Q.    And it's the same for testimony?

22          A.    Yes.

23          Q.    I really didn't realize how new MRI -- do I call  
24 it a science? I'm not sure. How the field, how new the  
25 field of MRI really is. It sounds to me like it's really  
26 gotten going over the last five or six years. Is that a

1 correct observation?

2 A. Well, yeah, MR has been around for a long time.  
3 It was used by chemists.

4 Q. Oh, I see.

5 A. Since the 1940's. It's only been applied to  
6 imaging since the early '80's. I think the first image of  
7 an MR -- on an MR I ever saw was of an orange in 1979 in  
8 Science magazine, and I guess in '82 maybe they started  
9 running a clinical scanner in London, and we had one of  
10 the first sites, U.C.S.F. had one of the first sites in  
11 the country and it opened in November of '83.

12 Q. You were discussing a study that you have done  
13 that tries to correlate MRI findings with the timing of  
14 asphyxial injury. Is that -- was that a fair description?

15 A. Yes.

16 Q. Okay. And as I understand it, that's the first  
17 study that attempted to do that. Is that true?

18 A. Yes.

19 Q. Okay. And when did that study -- it was  
20 published at the end of 1990, is that what you said?

21 A. Yes.

22 Q. How many patients did that study involve?

23 A. I don't know, I think 25.

24 Q. Okay. Do you recall how many of those patients  
25 were involved, were term babies?

26 A. Probably about 10.

1 Q. Okay.

2 A. Probably about 40% of them, I think.

3 Q. The -- actually, I'm not trying to trick you or  
4 anything?

5 A. No, I honestly don't --

6 Q. I have a copy of the study you can look at.

7 A. Oh, thank you. I really don't remember. Thank  
8 you. I was pretty close.

9 Q. You were pretty close. I think it was eight,  
10 but I'm not sure.

11 A. Okay, yeah.

12 Q. You can hold on to that.

13 A. Okay.

14 Q. Because I have actually a few questions about  
15 that.

16 A. Okay, thank you.

17 Q. Now, as I understood the study, several of the  
18 MRI's of term infants were done immediately after birth.

19 A. Yes, some were done -- I'll look it up.

20 Q. Sure, you can look it up.

21 A. Some were done in the -- three of them were done  
22 within the first month after birth.

23 Q. Okay. And I think you found that that was  
24 actually a bit too early to reveal some of the things that  
25 would be revealed later?

26 A. Yes, that's true.

1           Q.    With the passage of time on MRI, and how many --  
2           let's see, how many -- were any of the term infants  
3           MRI'd?  Is that a verb?

4           A.    You can use it any way you want.

5           Q.    How many of the patients were MRI'd eight years  
6           after birth?

7           A.    I would have to look.  None.  We had a 4-year,  
8           5-year, 18-year, 19-year, 4-year.  There were none of them  
9           exactly eight years.

10          Q.    And I couldn't tell from looking at the study if  
11          any of the infants also had intrauterine growth  
12          retardation.  Do you know offhand or is that something  
13          that was looked at?

14          A.    That wasn't something that we looked at.

15          Q.    Okay.  In your opinion, does Monica have  
16          periventricular leukomalacia?

17          A.    That's a vague term.  She has got  
18          periventricular loss of white matter and periventricular  
19          white matter damage, so in a strict sense, yeah, I guess  
20          she does have -- at least say first that the term  
21          periventricular leukomalacia means periventricular white  
22          matter softening, that's what leukomalacia is, so I would  
23          say on the basis of this that she probably does have some  
24          periventricular white matter damage.

25          Q.    And is that a -- is one of the causes of that  
26          condition -- not in Monica, but in general, because I know



1       your opinions about Monica, but in general is one of the  
2       causes of periventricular leukomalacia thought to be  
3       asphyxia?

4             A.     In premature infants it can be.

5             Q.     How long does it's take damage from  
6       periventricular leukomalacia to show up on a sonogram  
7       after the damage takes place?

8             A.     Well, you know, there are some people who would  
9       argue that periventricular leukomalacia doesn't usually  
10      even show up on sonograms or variably shows up on  
11      sonograms. I would say you see it very quickly because  
12      the first thing you see is edema, which is increased brain  
13      water in the periventricular tissue.

14                That isn't in itself diagnostic, though, of  
15      periventricular leukomalacia and can be seen in normal  
16      patients, especially normal premature patients. And  
17      sometime usually three to six weeks after the injury  
18      little cystic areas appear in the periventricular region,  
19      and those are due to cavitation, and only when you see  
20      that cavitation can you make that diagnosis on the basis  
21      of ultrasound.

22                So I guess the question is -- the answer to  
23      that is three to six weeks.

24             Q.     Is there something that shows up in a week or  
25      two?

26             A.     You can see what I described as echogenic areas,

1 which is largely edema and then secondarily some tissue  
2 damage, sometimes even some hemorrhage.

3 Q. We have mentioned sonograms a few times. I  
4 don't think we have ever mentioned that sonograms are the  
5 same as ultrasounds. Is that true?

6 A. Yes, it is. I think I mentioned that.

7 Q. Good. I hope -- I just wanted to make sure that  
8 at some point during this week we said that. As I  
9 understand edema -- believe me, I may not, but edema in  
10 the brain would be a swelling in the brain?

11 A. Edema I guess is defined as just too much  
12 water. It causes swelling.

13 Q. Okay, so it would be fair to say that edema  
14 causes swelling?

15 A. Yes.

16 Q. Okay, and can asphyxia cause edema in the brain?

17 A. Yes.

18 Q. How does that work?

19 A. Well, theoretically, the -- if there is not  
20 enough blood getting to the brain or not enough oxygen in  
21 the blood getting to the brain you get damage to the walls  
22 of the blood vessels, and then the blood vessels get leaky  
23 and fluid from not actually blood -- red cells, the holes  
24 aren't that big, but the fluid from within the blood  
25 vessels, the serum, the plasma leaks out through, it's  
26 called vasogenic edema. It usually takes a few days for

1       it to really -- well, it takes -- you never see it before  
2       six hours, and then it usually maximizes in terms of its  
3       mass effect between two and five days after the injury.

4             Q.    Can asphyxia cause ischemia?

5             A.    Well, ischemia is part of asphyxia, okay?  
6       Asphyxia is --

7             Q.    How does that work? Can you explain that?

8             A.    Yeah, sure. I'm surprised, if Dr. Gillis has  
9       already testified, he didn't give you a lecture on it.  
10      Asphyxia is a term that sort of encompasses both hypoxia,  
11      which is too little oxygen, and ischemia, which is too  
12      little blood. Now, some people make a big deal of talking  
13      about hypoxia and ischemia and don't use the word, never  
14      use the word asphyxia. Other people say that any time you  
15      have hypoxia you're going to have ischemia. The reason  
16      for that is a little complicated but it has to do with the  
17      fact that the blood vessels get injured by the low oxygen  
18      pressure and, therefore, have a hard time maintaining  
19      blood flow to the brain, so ischemia, which is not enough  
20      blood getting to tissues, is a part of asphyxia but  
21      asphyxia includes both not enough oxygen and not enough  
22      blood getting to the tissues.

23            Q.    Would you describe the hemorrhage that Monica  
24      had as a lobal hemorrhage?

25                   MS. MCINTYRE:    A what?

26            A.    Lobar?

1 Q. Oh, lobar, lobar hemorrhage.

2 A. It's largely restricted to the parietal lobe,  
3 yes.

4 Q. Can you have a lobar hemorrhage in a term  
5 infant following birth asphyxia?

6 A. I would say that it would be very unusual. It  
7 happens.

8 Q. You were discussing decreased white matter in  
9 Monica. Can I get you to agree that decreased white  
10 matter is a nonspecific finding?

11 A. Yes.

12 Q. Okay. And it can be compatible with birth  
13 injury?

14 A. It is sometimes seen in birth injury, yes.  
15 As a matter of fact, I will take that farther. You  
16 always see decreased white matter in asphyctic birth  
17 injury, but the pattern of white matter damage is the key.

18 Q. You also -- it's also true, though, that --  
19 isn't it, that one can have asymmetric damage in a  
20 asphyxiated brain?

21 A. Yes.

22 Q. Have there been any subsequent studies to your  
23 study attempting to correlate MRI with the timing of  
24 injury?

25 MS. McINTYRE: No.

26 Q. You can answer. Not that you know of?

1           A.    Not that I know of.

2                   MR. LOPEZ:    Okay.  I have no further  
3           questions.  Thanks.

4

5                   FURTHER EXAMINATION BY MS. MCINTYRE:

6                   MS. MCINTYRE:    Q.    Dr. Barkovich, Mr.  
7           Lopez asked you whether you were a pediatrician or a  
8           neurologist and you indicated you were not.  Are you,  
9           however, a specialist in determining the existence of  
10          diseases and abnormalities in the brains and central  
11          nervous systems of children?

12          A.    Yes.

13                  Q.    Now, Mr. Lopez asked you about the research  
14          which you have recently published correlating brain damage  
15          with particular times of gestation based on the  
16          distribution or the pattern of the brain damage.  Have  
17          there been studies before the one that you did, first of  
18          all, looking at brain damage in children with ultrasounds  
19          and with MR's?

20          A.    There have been a lot.

21                  Q.    All right.  And have there been a lot of studies  
22          finding brain damage to have occurred earlier -- in utero  
23          earlier in the pregnancy based on ultrasound and MR  
24          studies?

25          A.    Yes.

26                  Q.    And Mr. Lopez then asked you whether there had

1       been any studies since the one that you did looking at  
2       correlating the time of an insult with the gestational age  
3       of the baby and the particular pattern of damage that one  
4       sees.

5                       Have you seen this 1991 article by Dr.  
6       Skolnick in the Journal of the American Medical  
7       Association titled "New Ultrasound Evidence Appears To  
8       Link Prenatal Damage To Cerebral Palsy"?

9               A.     No. Do you want me to read it?

10              Q.     Would you just take a glance at it and see if  
11       Dr. Skolnick is trying to follow some of your leadership  
12       in predicting the time of brain injury in infants based on  
13       radiographic studies?

14              A.     (Witness reviews paper.) It looks like what  
15       they are doing is just looking at the evidence of the  
16       cystic degeneration in periventricular leukomalacia, which  
17       as we stated earlier tends to happen between three and six  
18       weeks after injury, and I think I saw this paper  
19       presentation, actually, at the RSNA in Chicago last  
20       November, and basically, they just found that a lot of the  
21       kids already had the periventricular cyst at the time of  
22       birth, indicating that injury had happened before the time  
23       of birth. But it's really not the same as what I did, but  
24       comes to some of the same conclusions.

25              Q.     Okay. Now, I think you said earlier that  
26       periventricular leukomalacia from asphyxia occurs with

1 premature babies, but not with term babies?

2 A. Well, the predominant damage in premature  
3 infants is in the periventricular region up until about --  
4 through about 34 weeks. Beyond that time, the damage  
5 tends to go peripherally out to the cerebral cortex, which  
6 as we explained earlier is the covering of the brain, and  
7 what we found in this study, and we have seen a large  
8 number of parents since then in which it also seems to  
9 work, is that beyond about 36 weeks the kids have cortical  
10 damage and they have cortical damage in the watershed  
11 areas and we have also seen now several kids, I think  
12 three kids who had asphyctic damage at 38 weeks, which  
13 seems to be kind of like a bridge where there is very  
14 limited cortical damage, but some, and then by about 40  
15 weeks the cortical damage becomes more significant.

16 So I haven't seen anything since then. The  
17 cases we have seen since the time we wrote this have only  
18 helped to confirm our opinion about it.

19 Q. Okay. In your opinion, to a reasonable degree  
20 of medical probability, does Monica Wilson have  
21 periventricular leukomalacia due to asphyxia around term?

22 MR. LOPEZ: Let me just interject an  
23 objection again. I think the doctor has -- I believe  
24 there hasn't been a foundation established that that's  
25 within his expertise.

26 MS. MCINTYRE: Well, I believe that you

1 already asked the doctor a number of questions about that,  
2 so I think you waived the objection, but let me ask it  
3 this way.

4 Q. Dr. Barkovich, do you know what periventricular  
5 leukomalacia is?

6 A. Yes.

7 Q. And have you reviewed many ultrasounds and MR's  
8 where that condition has been present?

9 A. Yes.

10 Q. And do you feel competent to determine the  
11 existence of periventricular leukomalacia on an ultrasound  
12 or an MR?

13 A. Yes.

14 Q. And --

15 MS. MCINTYRE: You made me forget my  
16 question. That was the whole point of your objection.

17 THE WITNESS: He did a good job.

18 MS. MCINTYRE: Q. Are you familiar with  
19 the pattern of damage that you would see in a baby who has  
20 periventricular leukomalacia due to asphyxia?

21 A. Yeah.

22 Q. In your opinion, did Monica Wilson have  
23 periventricular leukomalacia due to asphyxia around the  
24 time of her birth?

25 A. No.

26 Q. Why not?



1           A.    Because around the time of birth you don't just  
2           get periventricular leukomalacia. You get involvement of  
3           the cerebral cortex, as well.

4           Q.    All right, and Monica Wilson did not have that  
5           involvement?

6           A.    That's right.

7           Q.    Does that mean that if Monica Wilson had  
8           periventricular leukomalacia due to asphyxia that the  
9           asphyxia would have had to have occurred before **36** weeks  
10          gestation?

11          A.    That's my opinion.

12          Q.    Why is that your opinion?

13          A.    Because the studies that I have done, and they  
14          are consistent with other studies from the pathological  
15          and radiological literature, indicate that periventricular  
16          leukomalacia restricted to the periventricular region and  
17          not involving the cortex, is seen as a result of asphyxia  
18          almost exclusively in premature infants.

19          Q.    All right. Then, Dr. Barkovich, I believe you  
20          said that if a baby has PVL from asphyxia you also usually  
21          see edema and swelling within a short period of time,  
22          three days?

23          A.    I think I already said that I don't think this  
24          is -- that in term kids you don't get isolated  
25          periventricular leukomalacia as a result of asphyxia.

26          Q.    Okay, so in your opinion Monica Wilson does not

1 have periventricular leukomalacia due to asphyxia around  
2 term?

3 A. Yes.

4 Q. You said that that it was very unusual to see a  
5 lobar hemorrhage due to asphyxia in a term infant?

6 A. Yes.

7 Q. Why is that very unusual?

8 A. I don't know.

9 Q. You just don't see it?

10 A. You just don't see it very often.

11 Q. Dr. Ames, the neuroradiologist who did the MR  
12 for Monica interpreted it and he said that he found small  
13 zones of nonspecific signal abnormality in the right  
14 periventricular white matter. Were these the areas on  
15 Monica's MRI that you showed us earlier?

16 A. Yes.

17 Q. And are these the areas that you believe are  
18 consistent with damage due to endotoxins?

19 A. Yes.

20 MS. MCINTYRE: I have no more questions,  
21 thank you.

22 THE WITNESS: Thank you.

23 MR. LOPEZ: I have no questions.

24 VIDEOTAPE OPERATOR: Before we go off the  
25 video record I would like to add that the total number of  
26 tapes used for today's deposition was one, and at this

1 time it is 12:30 p.m., July 26, 1991. We are off the  
2 record.

3  
4 (Whereupon, the deposition was concluded at  
5 12:30 p.m.)

6  
7 ---oOo---

8  
9  
10  
11  
12  
13  
14 

---

Anthony James Barkovich, M.D.  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25  
26

CERTIFICATION

I, CAROL A. KAREN, a Certified Shorthand Reporter for the State of California, duly authorized to administer oaths pursuant to Section 2093(b) of the California Code of Civil Procedure, certify that I am a disinterested person herein; that the foregoing is a full, true and correct transcript of the proceedings had at the taking of said deposition; that the within-named witness in the foregoing deposition was by me duly sworn to tell the truth, the whole truth and nothing but the truth, and that said deposition was thereafter transcribed into typewriting.

I further certify that I am not of counsel or attorney for either or any of the parties in the foregoing deposition and caption named.

IN WITNESS WHEREOF, I have set my hand this 26 day of July, 1991.

  
CAROL A. KAREN, CSR #8189