

SUPERIOR COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

GLORIA MASLANKA, Individually
and as Parent and Natural
Guardian of Shane Maslanka,

CERTIFIED COPY

Plaintiff,

vs.

CASE NO. CV-05-552424

METROHEALTH MEDICAL CENTER,

Defendant.

EXHIBITS ATTACHED

DEPOSITION OF PATRICK D. BARNES, M.D.

Thursday, March 8, 2007

Pages 1 - 85

REPORTED BY: DARCIE L. MOORE, CSR NO. 3143



GROSSMAN & COTTER
CERTIFIED COURT REPORTERS

Comp-U-Scripts

Weber & Volzing

Mailing Address:

117 S. California Avenue, #D-201 • Palo Alto, CA 94306
Phone 650.324.1181 Fax 650.324.4609

465 California Street • San Francisco, CA 94104
Phone 415.395.9330 Fax 415.395.9254

A P P E A R A N C E S

FOR THE PLAINTIFF:

BECKER & MISHKIND CO., L.P.A.

BY: MICHAEL F. BECKER, ESQ.

Becker Haynes Building

134 Middle Avenue

Elyria, Ohio 44035

(440) 323-7070 Telephone

(440) 323-1879 Facsimile

mbecker@beckermishkind.com

FOR THE DEFENDANT:

REMINGER & REMINGER

BY: CHRISTINE S. REID, ESQ.

1400 Midland Building

101 Prospect Avenue, West

Cleveland, Ohio 44115-1093

(216) 687-1311 Telephone

(216) 687-1841 Facsimile

creid@reminger.com

I N D E X

ExaminationsPage

MS. REID

4

E X H I B I T S

No.DescriptionPage

1

Curriculum vitae March 2007

4

No Bates stamp numbers

(63 pages)

2

"Chronological Listing of Testimony

4

Patrick D. Barnes, M.D."

No Bates stamp numbers

(21 pages)

3

Documents beginning with a letter

84

2/28/07 from Michael F. Becker, Becker

& Mishkind Co., L.P.A., to Patrick D.

Barnes, M.D., Lucile Salter Packard

Children's Hospital

No Bates stamp numbers

(72 pages)

1 BE IT REMEMBERED that, pursuant to notice, and on
2 Thursday, March 8, 2007, commencing at the hour of
3 1:22 p.m., thereof, at the Offices of Grossman & Cotter,
4 Comp-U-Scripts, Weber & Volzing, 117 South California
5 Avenue, Suite D-201, Palo Alto, California, before me,
6 Darcie L. Moore, a Certified Shorthand Reporter, there
7 personally appeared

8 **PATRICK D. BARNES, M.D.,**
9 called as a witness by the Defendant, and who, having been
10 administered the oath by me, was examined and testified as
11 hereinafter set forth.

12 **EXAMINATION BY MS. REID**

13 **(Whereupon, Defendant's Exhibits 1 and 2**
14 **were marked for identification.)**

15 MS. REID: Mike, before I forget, just one thing
16 for the record. As you know, we had filed a motion a long
17 time ago about the late production of Dr. Barnes as an
18 expert witness in this case, a motion to exclude. You
19 replied. It has not been ruled upon. But can we agree
20 that taking the deposition today in no way waives our
21 position that we put in that motion? I just thought about
22 it.

23 MR. BECKER: Correct. I won't use the fact that
24 you've taken the deposition as a waiver. I will argue to
25 the trial court there has been no prejudice. Fair enough.

1 Go ahead.

2 BY MS. REID:

3 Q. Dr. Barnes, can you state your name for the
4 record, please?

5 A. Patrick David Barnes. That's B-a-r-n-e-s.

6 Q. Dr. Barnes, as I introduced myself, my name is
7 Christine Reid. And I represent Metrohealth Medical
8 Center in this case. And we're here for your deposition
9 today.

10 I know you've been over this process before, so I
11 won't go over the rules in too much detail. But one thing
12 that's important, make sure you understand the question
13 I've asked you before you answer it. I'm positive I will
14 butcher a term or phrase or something today. So if you
15 don't understand what I'm asking or I misuse a medical
16 term, please correct me, because, obviously, on the flip
17 side, when you answer a question, we're going to rely on
18 that answer and assume you understood it.

19 A. Okay.

20 Q. All right. You were kind enough to provide me a
21 copy of your file. I just want to ask you a couple
22 questions about the file materials. Your initial contact,
23 it looks like, from Mr. Becker's office at least in
24 writing via letter was on August 18th, 2006. Is that
25 correct?

1 A. Yes, ma'am.

2 Q. Did you have a conversation or an e-mail
3 communication with Mr. Becker's office prior to that time?

4 A. It's possible. That's commonly the way I'm
5 contacted.

6 Will you review a case? Yes, no, whatever.

7 Q. In this initial August 18th, 2006 letter, which
8 we'll have marked at the end of the deposition, it looks
9 like you got a copy of all the ultrasound films and the
10 discharge summary; is that correct?

11 A. Yes, ma'am.

12 Q. Do you review the discharge summary around the
13 same time you're reviewing the films?

14 A. I usually don't -- I usually review the films
15 first. I usually get the reports, too. So the reports --
16 they're not listed in there. I usually get the reports,
17 too.

18 Q. In fairness, it says, "I'm enclosing, under seal,
19 the reports from the aforementioned films." They did come
20 but --

21 A. Oh. Yeah. They're under seal.

22 Q. Do you remember them coming in a sealed envelope?

23 A. Yes. Usually that's what Mr. Becker and a lot of
24 other attorneys do, because they want pretty much a blind
25 read. I do request the discharge summary. I usually

1 don't look at it until usually afterwards. The reason I
2 require the discharge summary is I want to -- it's not
3 because of Mr. Becker or anyone else, but I want to make
4 sure the case is being properly represented to me for
5 review.

6 Q. What do you mean by this?

7 A. Well, for instance, when I first reviewed this
8 case, I wrote up here, "36 weeks," which is marked out,
9 WGA and then put "27." I thought it was a 36 weeker when
10 I read the discharge summary and found out that it's
11 actually -- wherever that is on there.

12 Q. Okay. Oh, you're looking at GA, by day,
13 36 weeks?

14 A. And then, by exam, 27. So I didn't quite
15 understand what was going on there. Of course, when I
16 reviewed this thing, I'm going this is not what I would
17 ever expect for a 36 weeker. Then I looked at that and
18 crossed out "36" weeks and put the "27" when I realized,
19 you know, there's something wrong or discrepant here.

20 Q. Okay. And you're referring, just for the record,
21 to your handwritten notes on the back of the August 18th
22 letter; correct?

23 A. Yes, ma'am.

24 Q. All right. Now the fact that you have at the top
25 of your notes date of birth and the gestational age

1 corrected to 27 weeks, does that indicate that you did
2 look at the discharge summary before you reviewed the
3 films or before you authored the report at least?

4 A. Commonly I will look at it to get the gestational
5 age.

6 Q. All right.

7 A. Yeah. That's usually it. And the rest of it,
8 you know, I don't rely on the rest of it anyway. But I
9 really do need the gestational age to interpret imaging,
10 like anyone else.

11 Q. Okay. Now as far as the reports, the reports
12 from Metrohealth Medical Center, the interpretations of
13 the ultrasounds at issue, did you ultimately review those?

14 A. Absolutely.

15 Q. Okay. When do you do that in the process of your
16 review?

17 A. Usually after I go through all the imaging, I'll
18 review those and compare them and see where I disagree or
19 agree.

20 Q. All right. Do you make any notes on these
21 interpretations as to whether you agree or disagree with
22 the interpretations done at Metro?

23 A. Sometimes I do. But I didn't on any of those, I
24 don't think.

25 Q. Okay. As we sit here -- and we can go through

1 this in more detail, do you know if there are points on
2 these interpretations that you have areas of disagreement
3 with?

4 A. There may be some.

5 Q. Okay.

6 A. I don't remember offhand because I don't rely on
7 those. There may be some.

8 Q. All right. Well, we'll go through that as we go
9 through the films then in a more organized fashion, or at
10 least attempt to.

11 On the back of the August 18th letter are your
12 handwritten notes. I'm assuming what you've written here
13 are the interpretations of the films as you reviewed them?

14 A. Yes, ma'am.

15 Q. Okay. And then ultimately what's in your notes
16 was put together into your report in this case?

17 A. Yes.

18 Q. Which was dated -- the report was October 3rd of
19 2006.

20 A. I think that's correct. You may have my copy.
21 There you are.

22 Yes, that's correct.

23 Q. Did you have any discussion with Mr. Becker
24 between August 18th of 2006 and October 3rd of 2006?

25 A. Yes.

1 Q. What do you recall about that discussion?

2 A. Okay. If you look on the front of the
3 correspondence of August 18th, 2006, there is --

4 Q. A phone conference.

5 A. -- a phone conference of 9/20/06 from 1:00 to
6 1:25. Then I have written down there LOP 9/26/06. Then
7 you see -- the other note is about the statement that I
8 sent.

9 Q. What does "LOP" mean?

10 A. That's probably when I did my letter of opinion
11 draft, first draft, I would imagine.

12 Q. Okay. Do you send a draft to Mr. Becker then?

13 A. Yes. Different attorneys are different. They
14 all want to take -- some want to take their notes and send
15 me what they think is what I told them over the phone, and
16 then I correct it.

17 Others say, "Send me a draft. I want to look at
18 it. Then I'll call you or I'll e-mail you and then put it
19 on letterhead and send it to us." I'm not quite sure
20 which way this went, but it was one of those two ways.

21 Q. So you can't recall whether Mr. Becker drafted
22 something for you and sent it to you or whether you
23 drafted something and sent it to him?

24 A. I don't recall.

25 Q. Could have been either way?

1 A. Correct.

2 Q. The report that's in your file that's not on
3 letterhead, this is what you e-mailed to Mr. Becker
4 ultimately?

5 A. Yes, ma'am.

6 Q. And that's your copy of the final report?

7 A. Yes. And then I think probably I had -- I
8 changed the date when he wanted it to put it on
9 letterhead, probably.

10 Q. Okay. And it's the same date on the letterhead,
11 so...

12 Do you know if there were any changes made to
13 your report prior to this October 3rd of 2006?

14 A. If there were any changes. That's possible.

15 Q. Okay.

16 A. It's possible. I don't recall what they were. I
17 don't think anything substantive.

18 Q. All right.

19 A. Commonly the attorneys will say, well, could you
20 use this language or could you be a little stronger here,
21 a little stronger there.

22 I don't recall that about this particular case.

23 Q. Can't remember one way or another about that
24 either?

25 A. (Shaking head.)

1 Q. In your file materials here, do you have the
2 report of Marilyn Siegel. It's the March 24th, 2006
3 report. Do you know when you received this report?

4 A. Sometime after -- pretty sure sometime after I
5 reviewed this and had at least a phone conference. I don't
6 know whether I received it before or after my letter is
7 what I don't -- I don't think I referred to it in my
8 letter.

9 Q. No. It's not referred to in any of the enclosure
10 letters you've produced here, nor is it referred to in
11 your letter.

12 A. Might have been faxed to me. Doesn't look like a
13 fax sheet.

14 Q. Do you have any other correspondence at your
15 office or at home that would indicate when you received
16 Dr. Siegel's report?

17 A. No.

18 Q. Is it reasonable to assume you received it before
19 you did your opinion letter?

20 A. Yeah. It's possible. I just remember when I --
21 with Mr. Becker and many attorneys, then they'll say,
22 well, now that you've told me what you've told me or
23 you've provided the letter, I want you to review, you
24 know, the plaintiff or the defense neuroradiologist or
25 whatever, who I may or may not know. And they send it to

1 me. And then they ask me, let's have a phone conference,
2 talk about it, or whether to address the issues or not in
3 my letter. So sometimes I address that in the letter, and
4 sometimes I don't. They'll commonly say please address
5 that in your letter or don't. So I have no idea when I
6 got that.

7 MS. REID: Do you know if you would have
8 correspondence, Mike, that would indicate when this was
9 sent to Dr. Barnes?

10 MR. BECKER: No, I don't. It wouldn't be in
11 here, in this file that I have here. I don't have cover
12 letters in this file.

13 MS. REID: Maybe when we get back to the office,
14 I'll follow up with you, Mike, and see if we can answer
15 that question.

16 MR. BECKER: Okay.

17 BY MS. REID:

18 Q. Do you know Dr. Siegel, Dr. Barnes?

19 A. I do. It must have been ten years since I've
20 seen her. I used to see her at the SPR meetings.

21 Q. And what's that?

22 A. Society -- doesn't everybody know? Society For
23 Pediatric Radiology meetings is where I would see her.

24 Q. What is your understanding of her practice?

25 A. Well, I don't know now; but her past practice is

1 a pediatric radiologist at the Children's Hospital in
2 St. Louis was primarily body imaging, as I recall, rather
3 than neuroradiology except for ultrasound.

4 Q. Is it your understanding that her practice
5 focuses primarily on ultrasonography?

6 A. Yes. And also CT and/or body imaging techniques.
7 I think she's written articles and textbooks on CT and
8 ultrasound.

9 Q. Have you ever, in your practice, referred to any
10 of her texts on ultrasonography in particular?

11 A. In past years, yeah.

12 Q. Is she respected in the field?

13 A. Yes.

14 Q. Can you tell me a little bit about your practice
15 so I can understand what you do on a day-to-day basis.

16 A. It is havoc. Oh, from about 7:00 in the morning
17 till 6 o'clock at night I'm doing -- I'm supervising and
18 conducting and interpreting and teaching CT and MRI, brain
19 and spine and head and neck in children all the way from
20 the fetus to the, oh, teenage years. So I run the CT and
21 MRI. I'm kind of the medical director of the Packard
22 Hospital, Children's Hospital, MRI/CT center and the chief
23 of pediatric neuroradiology. So I'm teaching the
24 residents, fellows, medical students. And we work side by
25 side during the day. So we go pretty much all day. I

1 also -- I do not offer -- usually do not offer primary
2 reading of the ultrasound exams. The pediatric
3 radiologists do that. But I consult with them and the
4 neonatologists a lot, just about every day, on neonatal
5 ultrasound, that there may be a problem with
6 interpretation. Do we need a CT? Do we need an MR? So
7 we usually have access to ultrasounds on just about
8 everybody we image, particularly preterms. So that's
9 pretty much what I do every day. Yeah.

10 Q. You mentioned that the Lucile Packard Hospital
11 has a CT/MRI center.

12 A. Yes, ma'am.

13 Q. Is there a separate ultrasound center, or how
14 does that work?

15 A. Well, we're downstairs in the basement, CT/MR.
16 Ultrasound is upstairs in the general department. And
17 we're all interconnected electronically.

18 Q. Is there a director, separate director, of the
19 ultrasound center?

20 A. No. We have a chief of pediatric radiology,
21 Dr. Barth. And then everybody else rotates and does
22 ultrasound with the rest of the imaging studies they do,
23 CT, body CT, plain film, reading, so on and so forth.

24 Q. The only ultrasound you review, I assume, is of
25 the brain?

1 A. Yes. Occasionally of the spine when there is a
2 question about: Is there a tethered cord or not? Do we
3 need an MRI?

4 Q. Can you break down what percentage of your day or
5 your week relates to interpreting CT/MRI versus what
6 percentage relates to ultrasounds?

7 A. It's probably about 85, 90 percent CT/MRI. And,
8 of course, on the neonates and the young infants, which
9 makes up about 20 percent of what I do, maybe half of them
10 will have ultrasounds that we get asked about, or an
11 ultrasound comes with them from one of the satellite
12 hospitals to interpret.

13 Q. Okay. Your daily practice is overseeing
14 residents and their interpretation. Is that --

15 A. Well, yeah, pediatrics is a little different than
16 the adult hospital. We're there working.

17 Q. Okay.

18 A. So this has always been my problem with
19 pediatrics versus adult.

20 On the adult side, residents and fellows commonly
21 run the program. The faculty drop by once or twice a day.

22 Here, we work side by side with the residents and
23 fellows because the pediatric cases -- we're not doing a
24 very good job in medical school in this country teaching
25 pediatrics. We have residents and fellows coming who had

1 very little pediatrics. It's astonishing. Plus the fact
2 is the children can get sicker faster, plus most of them
3 need sedation or anesthesia. So we need to be there. We
4 check all their cases before we say we're done, and now
5 you can recover them from anesthesia or whatever.

6 Q. Right.

7 A. Plus it's a much more -- it's more of a clinic.
8 And the people we consult with are pretty rarely, you
9 know, medical students from other services or residents
10 from other services. It's highly faculty-run but with
11 very large training programs there. So I spend a lot of
12 time with the neonatologists, perinatologists on these
13 particular cases and everything, and the residents and
14 fellows, too.

15 So did that answer your question?

16 Q. I think so.

17 Do you do any other teaching, didactic lecturing
18 teaching as well at Stanford?

19 A. Yes. At least twice a month teaching residents
20 and fellows, medical students, didactic lectures on
21 pediatric neuroradiology, all aspects of it. And I
22 particularly give a series of neonatal brain imaging
23 lectures and also fetal, which does include ultrasound;
24 but they're comparing ultrasound CT and MR and how to
25 utilize which and when. And also with regard to lectures

1 involving residents and fellows and other programs,
2 neonatology, pediatrics, pediatric neurology, pediatric
3 surgery, teach them some pediatric neuroradiology.
4 Basics.

5 Q. Gotcha.

6 In your packet of materials, you also were
7 provided Dr. Siegel's discovery deposition as well as the
8 films that she reviewed at the time of her deposition?

9 A. Yes, ma'am.

10 Q. In reviewing Dr. Siegel's deposition, did you pay
11 attention to what her practice is and what it involves?

12 A. Yes.

13 Q. What's your sense of, you know, her role in
14 interpreting neonatal head ultrasounds?

15 A. It's a major role for her. Yeah.

16 Q. Bigger role than your practice?

17 A. For me personally?

18 Q. Yeah.

19 A. Well, I would say so. She's probably reading
20 more ultrasounds every day than I read. Yeah. Sure.

21 Q. You know, why don't we --

22 MR. BECKER: Excuse me. Did you mean head
23 ultrasound or just any ultrasound?

24 MS. REID: I said, "head ultrasound."

25 THE WITNESS: Depending on who she shares it

1 with. You know, like at our place, there's seven or eight
2 pediatric radiologists rotate. I don't know how many at
3 her place rotate. So for any one pediatric radiologist, I
4 may be reading as many as they are, but certainly not as
5 many as all of them are.

6 BY MS. REID:

7 Q. Gotcha. Before we start, I just want to make
8 sure I understand exactly what your opinions are going to
9 be today. I think if we focus it down into what area
10 you're going to testify on, then we can cut to the chase.

11 A. Okay.

12 Q. It's my understanding you are going to provide
13 opinion testimony as to the timing of the intraventricular
14 hemorrhage and the PVL in this case; is that correct?

15 A. Well, primarily the PVL.

16 Q. Okay.

17 A. But both, but primarily the PVL.

18 Q. Primarily the PVL. Are you going to provide any
19 opinions as to what caused the PVL or the IVH in this
20 case?

21 A. Not specifically, no. I mean there are general
22 mechanisms that we know that are associated with this and
23 the so-called pathophysiology; but to point to this event
24 versus that event or who did what or who didn't, I'm not
25 going to be offering any opinions on that.

1 Q. Nothing that would go into the standard-of-care
2 issues presumably is what you're saying?

3 A. That's correct.

4 Q. But we can talk in general terms as to what the
5 pathophysiology of PVL is, for example?

6 A. Uh-huh.

7 Q. Okay. Why don't we -- let's get started with
8 this -- the IVH issue because it sounds like we're going
9 to spend more time on the PVL. Is that fair?

10 A. Okay.

11 Q. You, in your initial -- your review of the
12 initial ultrasound and this film, which is 8/3 of 2001,
13 you did find evidence of intraventricular hemorrhage; is
14 that correct?

15 A. Yes. Grade 1 and grade 2.

16 Q. All right. Do you have an opinion, as we sit
17 here today, as to when that -- that grade 1 and grade 2
18 hemorrhaging occurred?

19 A. You can't really time it that well --

20 Q. Okay.

21 A. -- from ultrasound. I mean, you look at this,
22 and you have areas that are what we call hyperechoic,
23 meaning increased echoes. And you have some areas that
24 are the term hypoechoic, meaning decreased echoes. So
25 trying to figure out: Are we dealing with acute; that is,

1 increased echoes, because it's clotted? And then the
2 hypoechoic, that has decreased echoes, is it not clotted?
3 So when is it not clotted? It can either be hyperacute,
4 meaning hours --

5 Q. Right.

6 A. -- to probably less than a day, hasn't clotted
7 yet. In those cases, it may not be increased echoes. And
8 then it clots and becomes more echoic. And then also
9 obviously it evolves. And over the next days to a
10 few weeks, it can start liquifying again and become
11 hypoechoic. So you don't know where you are in that for
12 sure. So that's why you do serial imaging.

13 To give you an idea is: Were you hyperacute, or
14 were you more subacute?

15 Q. What stage are you in?

16 A. Correct.

17 Q. And looking at the serial ultrasounds, does that
18 help you make a determination as to where you are?

19 A. It does and it doesn't in this case.

20 Q. Why is that?

21 A. Because this one particular area and you know we
22 had a little right and left problem.

23 Q. Exactly. If it will help you use the film --

24 A. No. It's okay.

25 Q. Okay.

1 A. So on the left side, which is mismarked as right
2 to begin with --

3 Q. Right.

4 A. -- and then we catch up with that later, there
5 are the classic grade 1, grade 2, germinal matrix
6 subependymal hemorrhages. They're right where they should
7 be.

8 On the right side, more on the right side than
9 the left side, are these little dark dots in them. You
10 look at that and you try to decide: Are those preclotted
11 or post clotted? On the follow-up, I'm not sure that it
12 helps. Except for one area on the first ultrasound that's
13 just above where the left clot is that you try to decide
14 if it really is part of it, or is in the immediate
15 periventricular region that is hypoechoic center -- and I
16 think this is what Dr. Siegel is pointing at -- hypoechoic
17 center, dark, relatively dark, very, very small, just a
18 few millimeters, and around it all these echoes. So the
19 question becomes: Is that part of the hemorrhage? Is
20 that cystic PVL? Or -- and I was a little surprised she
21 didn't mention this -- is this periventricular hemorrhagic
22 venous infarction? And you can't distinguish among those;
23 but as this evolves, you see it on the coronal and
24 sagittal images on the first ultrasound. Except at the
25 end where they decide to flip the images and do it right,

1 you don't see it right. Then on the next ultrasound --
2 that first ultrasound was August 3rd.

3 Then the second ultrasound of August 7th you see
4 it maybe only on one of the sagittal images where she has
5 it circled. Then it's missing for two or three scans.

6 Then beyond that, we begin to start seeing a
7 macrocystic area come up in that that's quite complex.

8 So that in and of itself tells me that it's more
9 likely an early periventricular hemorrhagic venous
10 infarction rather than cystic PVL. And you'll find that
11 in Dr. Siegel's book. And I think she's even published on
12 it before.

13 When it's associated with the higher grade
14 intraventricular hemorrhages, what they call grade 4 --

15 Q. Right.

16 A. -- and years ago we mis-, kind of, classified it
17 and mischaracterized it. We thought it was increasing
18 hemorrhage in the ventricles that then burst through the
19 ventricular wall out into the brain.

20 When, in fact, what we found out is it wasn't
21 that at all. It could be very early on and that
22 subependymal hemorrhage, like we see here, there is a vein
23 that goes right through it. It is a subependymal vein,
24 meaning it's just below the lining of the ventricles.
25 It's also known as the thalamostriate vein or branches of

1 it. When you get that germinal matrix hemorrhage, it
2 squeezes it. It blocks the blood flow that's coming
3 toward the ventricle. And then right next to it, you'll
4 get a hemorrhagic infarction, which is a stroke that has
5 hemorrhage into it. It will start out, if you catch it
6 very early, as a center that's hypoechoic surrounded by
7 increased echoes. That center presumably is when the
8 hemorrhage is either still liquid before it clots or it's
9 the area where there is necrosis and loss of tissue,
10 liquefaction associated with this infarction. And there
11 is a classic picture of it in Dr. Barkovich's book in that
12 stage early-on ultrasound. And then as opposed to most of
13 those, this one seems to be quite small. Some of them are
14 big and associated with increasing intraventricular
15 hemorrhage. And that's the worst injury from germinal
16 matrix hemorrhage, okay, that a preemie can have that
17 doesn't have PVL.

18 Q. Okay.

19 A. So I think because of the way this started out
20 originally, then it disappeared for two or three scans and
21 then it cavitated, I wonder if that's not the evolution of
22 an early stroke like that, which you'll find in
23 Dr. Barkovich's book, and others can be quite early. Can
24 be within the first day after a baby is born. Can be
25 prenatal. Can be prenatal intrapartum or even prepartum.

1 I mean that's possible. They're very hard to time. So
2 the rest of the germinal matrix hemorrhages looked to me
3 to evolve from that point on except for that one. And
4 then later on, when we talk about the PVL, all of the rest
5 of that seems to evolve postnatal.

6 Q. Okay. Let me clarify one thing. My sense of
7 what Dr. Siegel is saying is that there were cystic
8 changes within the hemorrhage; correct?

9 A. Yes, that's what she's saying.

10 Q. And then a small cyst which she associates with
11 PVL; correct?

12 A. Yeah. She separated the two.

13 Q. Right.

14 A. As you know, one in the germinal matrix and then
15 one in the periventricular cyst.

16 Q. Right. Do you see either of those cyst
17 formations either in the germinal matrix or in the
18 periventricular region?

19 A. Yes. That's what I'm talking about.

20 Q. Right. Okay. That's where I'm losing you.

21 A. Yeah.

22 Q. So you agree with Dr. Siegel's interpretation
23 that there is cyst formation in both of those areas?

24 A. Yes, ma'am, I do.

25 Q. Okay. So when you look at the ultrasound, you

1 see the same cyst she sees?

2 A. Well, for that particular point, yes.

3 Q. The particular point meaning August 3rd of 2001?

4 A. Yes.

5 Q. Okay. Where the dispute then is, is what's the
6 significance of those cysts?

7 A. Correct.

8 Q. All right. And that's what you were just
9 explaining to me?

10 A. Yes.

11 Q. I mean, feel --

12 A. No. That's right. That's what I was explaining,
13 because you see that. You know immediately what the
14 issues might be here when you see that.

15 Q. Okay.

16 A. Particularly when you think you're looking at the
17 36 weeker and you're going, "What the hell is going on
18 here? I mean, why are we having this at 36 weeks? Is
19 this prenatal?" You go -- you start looking at it for a
20 36 weeker, and you go, "My gosh, you know, we don't expect
21 to see this kind of hemorrhage at 36 weeks gestation. We
22 see this kind of hemorrhage 34 weeks or before."

23 Q. Right.

24 A. Then you start looking at it evolve. Then you
25 go, oh, "My gosh, this evolves over the next couple months

1 towards term." Then I go, "This baby is not term. This
2 baby is not 36 weeks. This baby is 27 weeks." So now
3 when you adjust it for the correct gestational age, it
4 begins to make sense.

5 Q. Because these complications we're dealing with
6 are complications of prematurity?

7 A. Yes, ma'am.

8 Q. Okay. Let's go ahead and put the August 3rd film
9 up. I think that will help me, at least, keep my head
10 straight.

11 A. I have to put this here because I'm right-handed.

12 (Discussion off the record.)

13 BY MS. REID:

14 Q. We're going to -- Dr. Barnes, what you've put on
15 the view box is -- was it Exhibit 1A?

16 A. Yes. It's Exhibit 1A from Dr. Siegel's
17 deposition. What was the date? It says here. Is that
18 right? August 30th?

19 Q. No. That's the date of the film. We put the
20 film dates on. It's Exhibit 1A from Dr. Siegel's
21 deposition. All right.

22 What she has circled there are both the cystic
23 changes that the germinal -- that are close to the
24 germinal matrix and the cystic changes within the
25 periventricular space; correct?

1 A. Correct. For instance, on the first row of the
2 images -- and these are what we call coronal images, kind
3 of like you're looking at the front of the baby. And of
4 course the transducer is put on the -- which is going to
5 emanate the sound waves into the baby's head and how they
6 get reflected back, it will record that electronically and
7 by way of computer. So what we're seeing at the top of
8 the baby's head -- and this is the fourth image over on
9 the top row, as I said. And you can usually get it
10 exactly by the time. This image was taken at -- I'm going
11 to use military time, if that's okay.

12 Q. That's fine.

13 A. 16:05:59. On August 3rd, 2001. And so as you're
14 looking at the front of the baby here. And,
15 conventionally, this is usually left; this is usually
16 right. But we found out later that's reversed. Where she
17 has an arrow, that yellow arrow there is pointing to this
18 area right here that has increased echoes. It's bright
19 white; and it has a few dark dots in it, kind of gray
20 dark. And it's right adjacent to this dark triangular
21 area in the middle and this dark area just above it that's
22 part of the frontal horns of the lateral ventricles, which
23 is toward the front of the brain.

24 So when I look at her deposition -- and I
25 agree -- there are these mixed echo hemorrhage, larger on

1 the left than on the right. And it's actually pushing --
2 it's actually pushing on the ventricular system, causing
3 some mass effect, which is another indicator that it's
4 acute or recent. Some would probably say less than
5 three days when it shows that type of mass effect.

6 Okay. So that's one thing she's pointing to.

7 On the second row, second image, again, it's a
8 coronal image or like we're looking at the front of the
9 baby's face even though the right and left, by convention,
10 may be reversed. Where a little further back and what
11 she's pointing to here, what she's circling right here
12 inside of the yellow circle are these increased echoes
13 here and that tiny little dark dot there. I'm pretty sure
14 that's what she's talking about.

15 Q. Right.

16 A. And then you see where that is. And it's
17 directly adjacent to this part of the lateral ventricle,
18 the frontal horn, that has an echo in it that I'm sure is
19 probably hemorrhage. And below that is the large
20 hemorrhage that you see here. So that's a pretty classic
21 relationship where you see germinal matrix hemorrhage
22 that's large is causing mass effect. It's usually on the
23 ventricle, plus it's squeezing the vein that will run
24 around the ventricle and down like this. Then when you do
25 that, that vein can burst and hemorrhage; or it can

1 thrombose. The blood clot inside of it blocks it and
2 backs up into the brain, blocks the arterial inflow with
3 back pressure that's bringing oxygenated blood. And
4 that's how you get your little stroke.

5 Q. So that's the process of infarct you described?

6 A. Right. So what you have to differentiate it from
7 is: Are we dealing with periventricular hemorrhagic
8 venous infarction versus hemorrhagic PVL, periventricular
9 leukomalacia? And it can be difficult to tell the
10 difference between those two. This type of infarction
11 that I'm describing classically occurs towards the front.
12 And the PVL classically occurs towards the back, but also
13 can involve the front.

14 Q. But isn't that image you were just pointing to
15 more towards the back than towards the front?

16 A. Actually, it's about right in the middle. So
17 it's more toward the front than the back, and it's right
18 in the middle. And that's where you get these
19 periventricular hemorrhagic venous infarctions, is towards
20 the anterior part of the ventricular system rather than
21 the back.

22 Q. Under that scenario of the infarction, what
23 causes the cyst formation?

24 A. Well, early on, as I said, is when it's darker
25 than the echoes. The echoes is edema and can be some

1 clotted hemorrhage. The dark area can be the hemorrhage
2 that's not clotted; or it's necrosing, dying brain in the
3 infarction that doesn't show increased echoes. And then
4 as it evolves and that blood is cleared out of that stroke
5 and as the liquefaction that's liquid becomes a cyst, then
6 you will see it later as really dark. And that's what we
7 kind of see later. This turns really dark here. It's
8 this tiny little thing. And it's not just this. Okay.
9 See that? It's not just that, that I think she's
10 circling. I think it's that part also right there.

11 Q. So as of August 3rd, you don't believe that that
12 process of liquefaction and cavitation has occurred yet?

13 A. I do not from what we see because we clearly go
14 on later and see a big cyst there.

15 Q. So that's where you differ from Dr. Siegel
16 significantly?

17 A. Yeah. I can't rule out that there was some small
18 hemorrhagic PVL there or something else. I can't rule
19 that out. Now you're implying, when you do that, that
20 there has been two different things happening at two
21 different times, which is certainly possible in a preemie.
22 But what I'm saying is that that one little area
23 disappears, like they will tend to do in an intermediate
24 phase there, that looks pretty normal. And then here
25 comes the big cysts that are classic acystic

1 encephalomalacia or the cyst of this type of stroke that
2 we call porencephaly. That's p-o-r-e-n-c-e-p-h-a-l-y.
3 That's just a hole in the brain that's probably going to
4 stay there as opposed to the cyst and PVL that may
5 increase to a point and then disappear.

6 Q. Okay.

7 A. Yeah.

8 Q. So you don't think that this -- we're talking
9 about the second image on the second row, that that -- the
10 process that's identified there has anything to do with
11 PVL?

12 A. I can't rule out that that's a component maybe of
13 early PVL. Whether it's happened -- whether this is the
14 result of what's happened in the previous two or
15 three days or even before that. If it happened before it,
16 you try to figure out the timing of where you still have
17 something very echogenic, increased echoes --

18 Q. Right.

19 A. -- and then a cyst that's forming in it already;
20 wheres, the classic PVL evolution, you may know, is
21 increased echoes from edema. And then those disappear.
22 Then the cysts, tiny cysts, come up. They may increase
23 inside. That's the classic evolution. Here we have
24 increased echoes with a little dot in the middle of it.
25 So if that's evolving PVL from way before, and if you read

1 and know the literature, you know, you start seeing the
2 cystic phase between two and six weeks before it starts.

3 Q. Right.

4 A. But there is that evolution of where: Edema.
5 Edema goes away. You do an ultrasound. You don't see
6 anything, like in this case for the other injury. And
7 then later on, here come these cysts. And they get bigger
8 and bigger. If you're going to reason that that is cystic
9 PVL, which is what we're talking about here, it's hard to
10 reconcile that from something much earlier. Then it
11 disappears here. The cyst and the edema disappear for two
12 or three scans and then come back.

13 Q. Isn't that what Dr. Siegel is saying, though,
14 Dr. Barnes, is that it is from something much earlier?

15 A. Well, I don't know. I can't tell from her
16 deposition just how much earlier she's talking about.

17 Q. Because that could be -- that's a reasonable
18 evolution, isn't it, if the process started several weeks
19 before?

20 A. Several weeks? Well, the edema, depending upon
21 the severity and classification that's listed for the
22 edema and the flares that are talked about in the
23 literature, those flares that last longer that they report
24 past seven days or even two weeks are usually due to
25 repeated injury, repeated lack of blood flow, lack of

1 oxygen, repeated injury.

2 And then there is a phase of that within the
3 edema that you can see these little cysts form. But this
4 completely disappears unlike the third scan -- let me get
5 that date here. On the third scan of 8/15. I think that
6 completely disappears. And it's not on the scan of 8/24.
7 I think that's what she said, too. And then starting
8 about 8/31 is where we start seeing this cystic change
9 there. So if that's true, then we may have two processes
10 going on. But then what I would suggest, if we have two
11 processes going on, we started out with this hemorrhagic
12 infarction I'm talking about. And then we evolved toward
13 cystic PVL after that, that to my way of thinking fits
14 postnatal better than prenatal. Because I don't know how
15 much prenatal she's talking about here, in terms of if she
16 thinks that is prenatal and prepartum, you know, what
17 timing range is she talking about? Is she talking about a
18 day, two days, three days, seven days?

19 Q. Well, is what you're saying that there is a time
20 frame that is consistent with this progression that could
21 date it in utero; and there is a time frame that isn't
22 consistent?

23 A. Well, I don't know. I was hoping, by the time
24 that she gave her opinion, that she would give us or me or
25 whoever some idea about the timing of that lesion and how

1 to explain its evolution in terms of what we see from this
2 first ultrasound on, where it disappears.

3 Q. Okay.

4 A. So that's my only question about it. When I
5 first saw it, I struggled a little bit to figure out what
6 was going on here. And then -- because as you follow
7 these scans along, I don't see cystic changes anywhere
8 else in this area until we get to August 31st for sure.
9 In fact, you will see there under No. 4, I say no cysts
10 are apparent on that until we get to August 31st. The
11 first study is on August 3rd. This one is on the 31st.
12 It's what? 27, if my math is correct. 27 minus 3, what
13 is that? Or 31 minus 3. It's not quite a month --

14 Q. Okay.

15 A. -- when you start seeing the cysts. Now you go,
16 bang, cystic PVL.

17 And if you look at the literature and
18 particularly the article that I co-wrote in 2000 with
19 Dr. Ment, et al., the practice parameter that we wrote as
20 an evidence-based medicine exercise evaluating quality of
21 evidence of the literature for writing practice parameters
22 for imaging in the newborn, at-risk neonate, 36 weeks and
23 under versus the term, the quality of evidence did not
24 support using the noncystic phase of PVL with regard to
25 timing and, particularly, prognosis. And that's been the

1 problem with ultrasound.

2 Q. I'm not following what you're saying.

3 A. Well, what I'm saying is, No. 1, to make a
4 diagnosis of PVL of this type, the cystic phase, you've
5 got to have the cystic phase. Okay. So whether you
6 consider the increased or decreased echoes relevant with
7 regard to edema or what's going on, it's the cystic phase
8 to define it.

9 When you do the timing, the cystic phase is used,
10 that two- to six-week phase. Plus the vast majority, if
11 you go to the evidence-based medicine literature, you
12 start seeing at about three weeks or less the cystic
13 phase.

14 Q. Changes.

15 A. Yeah.

16 Q. So you're saying that you need the cystic changes
17 to make a conclusion as to the timing?

18 A. That's what the literature says. That's not what
19 I'm saying. And we have to live by the evidence-based
20 medicine literature these days. So that's why we wrote
21 that parameter.

22 Then we did two subsequent research studies that
23 I also coauthored comparing ultrasound with MR, actually
24 two others with MR on preterm brain injury, which has kind
25 of been my area of research since I arrived here at

1 Stanford. And probably about five or ten years before
2 that, when I worked with Dr. Volpe and others at Boston
3 Children's, is comparing MR with ultrasound. So we did a
4 big study here at Stanford comparing ultrasound in
5 preemies of varying gestational ages, particularly under
6 30 weeks was our group with MR and tracking the timing of
7 cystic PVL.

8 Q. Now, where I'm getting confused a little bit is
9 in the first paragraph of your report, you state in the
10 final sentence that this 8/3/01 ultrasound does show the
11 periventricular echoes and evidence of the earliest phase
12 of PVL.

13 A. Yes. That's true. Elsewhere. Oh, yeah, outside
14 this area. Yes, I think there are increased echoes.
15 That's the next part we're going to get to.

16 Q. Okay. So you're referring to just the
17 echogenicity of the white matter?

18 A. Yes. And including this area.

19 Q. Okay.

20 A. So I wasn't sure -- you know, I read these in
21 sequence. I put them in line. I put them in sequence. I
22 usually report the findings as I go. So that did include
23 this area.

24 Q. Okay.

25 A. Yeah. Plus the hypodensities that I describe

1 with regard to the subependymal germinal matrix hemorrhage
2 grade 1 and grade 2 that I describe here, plus this
3 echogenic area out here. And I put that into the
4 periventricular echoes, plus some basal ganglia and
5 thalamic echoes, not knowing at that time what we're
6 dealing with. But then it becomes clear as it evolves.

7 Q. But as you look at this 8/3/01 film, it's fair to
8 say there is evidence of early stages of PVL?

9 A. Yes. With edema.

10 Q. With edema?

11 A. Yes, ma'am. I agree with that.

12 Q. And does it take some time from the initial
13 insult to develop the edema which we're seeing on these
14 films?

15 A. Yes. The answer is yes.

16 Q. All right. How much time does it take from the
17 initial insult to develop edema which -- I mean on
18 ultrasound we're seeing it as increased echogenicity;
19 right?

20 A. Yes, ma'am.

21 Q. What's the time frame between that initial insult
22 to edema showing itself as increased echogenicity?

23 A. That's the big question about ultrasound. That's
24 why the evidence-based medicine literature has great
25 difficulty in supporting timing with regards to the

1 noncystic type.

2 Q. Got you.

3 A. Most of the literature you will read will say you
4 can see it earliest around 24 hours. 48 hours after the
5 insult you start seeing it. Then it will increase to a
6 point, maybe up to seven days, and then start coming down.
7 Then you will see from Dr. Danaman's in his articles, he
8 described several different patterns. You will find this
9 in Barkovich's book about the flare pattern, extended
10 flare, and all this stuff and how that can persist. That
11 particular article that was written had good quality of
12 evidence to support what he was saying. The problem is
13 because there was so much controversy among all the other
14 articles writing about the flares and that type of thing
15 with regard to timing, it could not be evaluated with
16 regard to evidence-based medicine standards.

17 Q. Obviously you have to hit me over the head
18 because this is just what you were explaining to me
19 before.

20 A. No.

21 Q. I see. And I apologize.

22 A. If you had deposed me five years ago, six years
23 ago, I probably wouldn't even be talking about
24 evidence-based medicine. And that's been the problem with
25 medicine is because we have so many different standards

1 across the country, you know, you guys and the government
2 and the payers have stepped in and said this makes no
3 sense. If you're going to devise standards of practice,
4 you have to put out the standards and guidelines that have
5 scientific basis, meaning good scientific methodology and
6 biostatistical significance. And, man, that is work. And
7 so that's required for the peer-review literature now.
8 That doesn't mean you can't have an article that's
9 accepted, okay, for the literature. But on those
10 articles, there is going to be a label or indication that
11 this is not level 1 or level 2.

12 Q. Right.

13 A. This is level 4. We're allowing it to be
14 published, but the reader is warned. Well, that's a lot
15 of the past literature on PVL, on germinal matrix
16 hemorrhage. And it's reflected in the current literature.
17 And it's reflected -- and I'm happy to see it -- in
18 Dr. Barkovich's newest addition. Recognizing what we
19 published ten years ago, five years ago, because of the
20 advancing technology we have, we were wrong about.

21 Yeah. Sorry about that lecture.

22 Q. No, no. What you're telling me -- let me just
23 summarize -- is that you can't time periventricular
24 leukomalacia by looking at the edema or the increased
25 echogenicity. You have to wait until there is cyst

1 formation and then use this two- to six-week window that
2 we have in evidence-based medicine; correct?

3 A. Yes, ma'am. That's what I'm saying.

4 Now, that doesn't mean some people can't offer
5 that opinion at work every day and say the literature says
6 this and that. It might be about that -- it might be
7 about that timing. But the whole problem is particularly
8 with the rough postnatal courses of these babies, the
9 preemies, the respiratory problems, the profusion
10 problems, the problems with sepsis and the other problems
11 they get, they're getting repeated insults to the brain.
12 You know, that's not a criticism of anyone. That's the
13 reality.

14 Q. Well, that's the reality of premature babies --

15 A. Yeah.

16 Q. -- overall.

17 A. Yeah. They do much better in the mother. They
18 do much better in the mother than they do outside the
19 mother.

20 Q. Okay.

21 A. That's why we try to prevent it. And we just
22 don't do a very good job of it in this country of
23 preventing it.

24 Q. Preventing what?

25 A. It's one of my -- preterm birth.

1 Q. Preterm birth.

2 A. It's one of my major campaigns, and everybody
3 else, regarding cerebral palsy is -- the problem is we
4 just can't seem to prevent preterm birth in this country.
5 And I mean extreme low birth weight. I mean prior to
6 30 weeks. We're getting down to 24, 25 weeks now that we
7 just can't prevent.

8 Q. What do you believe to be the major cause of
9 preterm labor in this country?

10 A. I think it has a lot to do with prenatal care.
11 We have probably the most mixed culture of any country in
12 the world. And that's why our rates of morbidity and
13 mortality and our rates of cerebral palsy in this country,
14 particularly regarding preterm, are higher than other
15 parts of the country. There's no one in the world who has
16 better neonatal care here.

17 Q. Right.

18 A. So, yes, they're surviving. But, boy, as they
19 survive, morbidity, cerebral palsy, other types of
20 neurodevelopmental delay that we see as attention deficit,
21 hyperactivity disorders, cognitive, is increasing in this
22 country. It is because they do survive, including --
23 including improvements in obstetrical care. They are
24 surviving.

25 Q. We talked about this a little bit before, but IVH

1 and PVL in this picture we're seeing here, they are common
2 central nervous system complications of prematurity?

3 A. Yes. Well, common. Certainly for the very low
4 birth weight. I mean, we've done so well in this country.
5 This is the experience at Boston with Dr. Volpe and here
6 now at Lucile Packard Children's Hospital where we have
7 very good neonatology here, including with the primary
8 emphasis on the preterm baby with -- what? -- three or
9 four satellites feeding into this hospital. We don't
10 expect to see IVH like this anymore. It's been declining.
11 And that's because we're much more successful in trying to
12 get the mother closer to term. We are seeing quite a few
13 babies -- and they all come to Packard -- that are under
14 30 weeks. We don't expect to see PVL like we used to.
15 And what we're seeing is the -- now, is not -- we rarely
16 see this, what's called cystic PVL anymore, which is
17 classic for postnatal preemie. What we see is the more
18 subtle diffuse light matter injury. And Dr. Barkovich
19 addresses it in his book reviewing the literature over the
20 last ten years, for which we've contributed to also. It's
21 this more subtle white matter injury which you don't see
22 the cysts on ultrasound. So that's why we came up with
23 MRI near term. It didn't clear quality of evidence for
24 that practice parameter in 2000, but subsequently it has
25 cleared it. That's why now all the at-risk preterms,

1 30 weeks and under, get an MR just before discharge
2 because the ultrasound misses that subtle injury and will
3 pick it up on MR. Now we let the parents know that this
4 baby is going to need some early intervention because it
5 has some features on its MRI that is predictive that there
6 may be cerebral palsy, or there may be visual. There may
7 be hearing. There may be cognitive problems. And we try
8 to get those babies into early intervention for that.

9 So this cystic PVL, when we see this, it is
10 getting -- it is unusual to see. It is unusual to see.
11 We don't like to see it.

12 Q. All right. Why do you think you're seeing less
13 of it?

14 A. Oh, improved perinatal care, particularly
15 obstetrical and post obstetrical neonatal intensive care.
16 We're seeing less of it. They're better -- we can take
17 care of their lung disease better. We can take care of
18 their circulatory problems better, prevent infection. And
19 there is new types of treatments for these babies.

20 I'm part of two national multicenter projects,
21 one on preterms with new types of treatment to see if we
22 can prevent this type of injury. We're doing a good job
23 with regard to germinal matrix hemorrhage. Still, there
24 are babies who get it. And some get it very, very badly.
25 We're doing a good job in preventing in many ways this

1 gross cystic PVL like we have in this case that we know
2 causes terrible brain injury and terrible neurologic
3 outcome. But we've still got this other problem. So we
4 keep the heat on with regard to obstetrical standards and
5 with regard to NICU standards to hold down the major
6 germinal matrix hemorrhages with the posthemorrhagic
7 hydrocephalus to hold down the cystic PVL. And we're
8 working on the subtle white matter injury to where what we
9 have is we may not have cerebral palsy. What we have are
10 children who can't communicate well. They may be
11 autistic. They're hyperactive. It's become a problem in
12 this country. They go to school. They can't. They have
13 to be pushed back. So we're kind of attacking that
14 problem. We're getting a handle on this one. But that's
15 the problem that we're attacking.

16 So that's the evolution.

17 Q. Do you have an opinion, Dr. Barnes, as to whether
18 or not, let's say Shane had been born at 29 weeks versus
19 27 weeks, these complications would have occurred?

20 A. 29 versus 27?

21 Q. Yes.

22 A. It's -- the lower the birth weight, particularly
23 under 1,000 grams, the lower the gestational age,
24 particularly under 30, when you even go further than that,
25 yes, the outcome is worse, just by gestational age and

1 birth weight alone.

2 Q. Okay.

3 A. And that's not even considering obstetrical care,
4 neonatal intensive care. It's just the truth. The older
5 you get --

6 Q. The less likely --

7 A. Yeah, the less likely. The less likely, the
8 better the outcomes, and so forth. Yeah.

9 Q. Well, what is it about the gestational age and
10 the birthweight that causes PVL?

11 A. It has to do with this immaturity of the blood
12 vessels of the brain to be able to dilate and contract
13 relative to rises and falls in cardiac output to protect
14 the baby's brain. They just can't react to do that. For
15 instance, if there is decreased oxygen in the blood to the
16 baby's brain, okay, what's supposed to happen in the
17 mature brain, blood vessels dilate up so whatever cardiac
18 output is there can deliver more. Well, these vessels
19 just sit there. Or if the blood pressure goes up and you
20 get a surge like in what's the reperfusion phase, they
21 just sit there, dilate. And now they get too much
22 hemorrhage, and you bleed. So it's a rock and a hard
23 place. You either get ischemic injury, or you get
24 hemorrhagic, or you get both. And that's the tough part
25 with the preemies. They cannot control that blood flow in

1 the brain.

2 Q. And that causes both hemorrhage and PVL? That's
3 the type of geneses of PVL as well?

4 A. Yes. Now the -- and we know that from our
5 experience postnatally. Okay. Particularly in the very
6 low birth weight with the worst pulmonary disease where
7 you can't get oxygen into the babies. So what do you do?
8 You have to turn the pressure up on the ventilators. What
9 happens with that? Well, as you turn the pressure up on
10 the ventilators, the blood that drains from the baby's
11 brain back to the heart to recirculate again gets blocked.
12 It gets a back pressure on the baby's brain. And those
13 veins are back up and what do they do? Where the really
14 fragile blood vessels are in the subependymal region are,
15 they burst. So here's the rock and the hard place. We're
16 trying to get oxygen in this baby's lungs, and we can't do
17 it. We measure the blood oxygen. It will not go up. So
18 we put more pressure on those bad lungs to try to get it
19 up there. And there's varying and pretty fancy techniques
20 they do to do that, plus they have to maintain this baby's
21 cardiac output. It's a fine line. Are we not going to
22 get enough oxygen and blood flow up there, so we get PVL?
23 Are we going to get too much? And we're going to get
24 hemorrhage.

25 Q. So it's a challenge for --

1 A. Oh, yes. And the younger they are, the tougher
2 the challenge.

3 MR. BECKER: Particularly those that don't get
4 the right medicine.

5 MS. REID: Thanks for adding that in, Mike.

6 Q. On that issue -- never mind. We won't go down
7 that road. Strike that.

8 I want to show you or hand you the interpretation
9 from Metro of this 8/3/01 ultrasound that we have up on
10 the view box right now.

11 A. Okay.

12 Q. If you would take a look at that, I'm curious
13 whether you have any differences of opinion whether what
14 was interpreted at Metro at the time?

15 A. Oh, just the reverse right and left.

16 Q. Okay.

17 A. I think there already is some intraventricular
18 extension. They said they can't rule it out. And I
19 agree.

20 Areas of hypogenicity in the blood suggest some
21 duration of a few days old. That could be true.

22 And then there is echogenicity of the white
23 matter slightly increased. I agree with that.

24 They don't mention specifically this area right
25 there, the tiny little area right there; but I don't find

1 fault with that in any way.

2 Q. Well, if there are areas of hypogenicity within
3 the bleed, suggesting that these may be of some duration
4 of at least a few days old, doesn't that place it into the
5 prenatal period or in utero?

6 A. I mean, somebody will have to count back. How
7 old was this baby at the time?

8 Q. This was done at 58 hours.

9 A. Okay. If you can see this variation in the
10 hemorrhages over two to three days, that's your range.
11 So, yeah, it could extend back. Yeah.

12 Q. So it is a reasonable conclusion that there is
13 hypogenicity or cystic formation that was -- occurred in
14 utero?

15 A. Possible, yeah. It would be included in that
16 range. Yeah.

17 What I would say is it's not the germinal matrix
18 hemorrhage that's really damaging this brain. It's really
19 the PVL.

20 Q. Okay.

21 A. I mean, I'm not -- don't get me wrong. This is
22 not good. Clearly, the literature shows that if you have
23 higher than grade 2 germinal matrix hemorrhage and you
24 have posthemorrhagic hydrocephalus, that puts into the
25 increased probability of cerebral palsy, depending on how

1 you define it.

2 Q. That's what we have here?

3 A. Well, you have a grade 2 hemorrhage. So you're
4 right at that cusp. You eventually have some hemorrhage
5 that gets you to a grade 3.

6 Q. Grade 3. Right.

7 A. So you're in that. That's true.

8 The problem here is the extensive cystic
9 periventricular leukomalacia that we do get later.

10 And the question is, you know, there is this
11 argument, debate that we still have with the
12 neonatologists. They, many neonatologists, classify the
13 degree and severity of germinal matrix hemorrhage based on
14 the first ultrasound only. Whatever happens after that,
15 they do not upgrade it. And the reason that they don't is
16 because there's so many factors involved in what happens
17 later that can cause the increased hemorrhage other than
18 just prematurity and the complications of it.

19 There are others who insist that we need to
20 upgrade it later, one to two weeks down the line when
21 this -- there is more intraventricular hemorrhage, and
22 people upgrade it. What they're saying is, is it really
23 messes up the prognostic indicators. It's confounding,
24 because in many of those cases what we find is cystic PVL.
25 And we know that in those cases that do not have germinal

1 matrix hemorrhage above 1 and 2, the No. 1 indicator of
2 outcome is the white matter injury, PVL, in the kids that
3 do not have grade 1 or 2 hemorrhage.

4 Q. Okay.

5 A. So that's what confounds it. So most of the
6 neonatologists in this country say: That's a grade 1 on
7 one side. That's a grade 2 on the other.

8 Q. But in this case, I mean, it ultimately
9 progressed to a grade 3.

10 A. Yes. And my neonatology friends would say it's
11 not a true grade 3. You have increased hemorrhage, that's
12 true; but it's not a grade 3 for prognostic purposes in
13 the presence of PVL.

14 Q. But certainly this intraventricular hemorrhage,
15 which there may be evidence that it occurred -- began in
16 utero, was significant in Shane Maslanka's course?

17 A. Good question.

18 It depends on the obstetrical and neonatal care.
19 Because most people do not expect -- most people do not
20 expect for the initial hemorrhage that's grade 1, grade 2,
21 grade 3, whatever it is, to progress unless there is some
22 problem.

23 Q. Okay.

24 A. And that common problem that makes it worse is --

25 Q. It's --

1 A. Well, hypoxia-ischemia, hypoperfusion, okay,
2 what's manifested as PVL. And then you're dealing with
3 this baby who you can't get oxygen to. And then you try
4 to get more blood flow to it. And what do they do? They
5 hemorrhage some more. I understand why they think of it
6 that way; and maybe you can, too. I know it's tough.
7 They would call this -- later on, this was a grade 1 on
8 one side, a grade 2 on the other side, complicated by
9 recurrent intraventricular hemorrhage and cystic PVL, is
10 what they would call it.

11 Q. It was never called that in this case.

12 A. Yeah. I don't know what they called it on the
13 discharge summary finally.

14 Q. All right. I want you to assume for a minute
15 that Dr. Siegel's opinion in this case is correct, that
16 this cyst that we see on this 8/3/01 film is the result of
17 PVL.

18 A. Okay.

19 Q. If that is correct, that hypothesis or opinion
20 she has, then we can date that back two to six weeks and
21 date it back to in utero?

22 A. Yeah. It's possible. I don't understand -- you
23 know, maybe two weeks.

24 Q. Okay.

25 A. But six weeks? I mean, if it goes through the

1 classic evolution that everyone talks about, okay, why in
2 the world, if we're going to make this six weeks back, do
3 we still have echoes --

4 Q. Gotcha.

5 A. -- with a dot in it? Okay.

6 Q. I'm going from what you just told me on the
7 evidence base, that cavitation, cyst formation occurs two
8 to six weeks after the initial injury. Right?

9 A. That's right. That's what the literature shows.
10 Correct.

11 Q. Okay. So if this cyst formation or cavitation is
12 a result of PVL, it's got to date back at least two weeks?

13 A. That's what some would say. That's what it
14 represents.

15 Q. That's what it represents. And that's where you
16 disagree that it doesn't represent that?

17 A. Correct.

18 Q. All right. Let's talk about the progression of
19 PVL cysts just in general.

20 A. Okay.

21 Q. First of all, is there a typical size that you
22 see of these cysts?

23 A. Depends on duration and severity of the insult.

24 Q. Okay.

25 A. If it's one or it's several. So you can have

1 tiny cysts that appear earlier than others. Then they
2 coalesce and make big cysts, or they stay small, depending
3 on severity and duration of the insults and if there's no
4 more insults. And they may resolve. And you may not see
5 much. For instance, on the state-of-the-art imaging now
6 and MRI, you look at it and go, "Wow. I don't see
7 anything on that."

8 Q. Okay.

9 A. Or you can have the more severe hypoxic-ischemic
10 insults because that's what it mostly correlates with.

11 Q. Right.

12 A. The more severe or longer duration, more rapid
13 evolution and progression of the brain injury, big cysts,
14 okay, that become coalescent. Then after a period of
15 time, they start to collapse, they disappear. Sometimes
16 they persist. And they get incorporated into the
17 ventricles, so called. Then you get these large
18 ventricles that enlarge because the periventricular white
19 matter was destroyed. So it depends on severity and
20 duration.

21 Q. Okay. So it's variable, depending on severity
22 and duration.

23 A. Correct.

24 Q. The progression. So the fact that this cyst that
25 Dr. Siegel points out is 2 millimeters doesn't say to you

1 that can't be a periventricular cyst -- it can't be a cyst
2 because of periventricular leukomalacia, the size itself?

3 A. That's correct. It invites other things to
4 consider. No. 1, it's anterior and it's small. We know
5 those have a better prognosis than the large, more
6 posterior cysts.

7 Q. In this scenario where we have Shane Maslanka, 27
8 weeker in the NICU, ultrasound is the appropriate
9 diagnostic study?

10 A. Absolutely. That's what the practice parameter
11 says.

12 Q. We wouldn't be shipping him down for CT or MRI
13 during the first 30 days of life?

14 A. That's probably true. We would be probably -- in
15 this day and age, and, of course, this is 2001 before the
16 practice parameter was written. But the old practice
17 parameter, yes, this is what you do.

18 Q. Okay.

19 A. And this baby has all the predictors, from an
20 imaging point of view, just using ultrasound only, for
21 poor neurodevelopmental outcome, particularly acystic PVL.
22 So we wouldn't even do an MR on this baby.

23 Q. Where does that occur? Do you see that on 8/31
24 where you start seeing the cystic changes?

25 A. Yes, ma'am.

1 Q. Okay.

2 A. I think it's 8/31. Yes, is when we first start
3 seeing them. I think that's what I said there.

4 Q. So what you just referred to -- I don't think I
5 can repeat your words, but when he starts showing the poor
6 prognostic indicators, that's what you're talking about as
7 of 8/31/01?

8 A. That's true. And particularly when it goes from
9 there and progresses to the point that it's not until --
10 what? -- November 15th that the cysts start decreasing in
11 size somewhat, and the ventricles appear stable then.

12 Q. What's the significance of that time frame?

13 A. That -- the extent and the severity of the
14 imaging findings also correlate with outcome. We don't
15 have imaging after that on this baby to see what the final
16 injury pattern is -- I don't guess. I don't guess there
17 is any.

18 Q. Actually, there were some MRIs that were done
19 later in time --

20 A. Oh, there were. Okay.

21 Q. -- when he was transferred to the Cleveland
22 Clinic for care.

23 A. Okay. But the prognostic indicators that are
24 used, according to the practice parameter evidence-based,
25 are on the predischARGE imaging findings. Those

1 correlate -- those have been shown with outcome measures
2 two, three, four years out. The worse it is on that
3 predischARGE near term, or whenever they get released,
4 imaging study, the worse their neurodevelopmental outcome
5 status is.

6 Q. Is there some significance that between -- that
7 the cyst did not decrease, nor did you have -- did the
8 ventricles stabilize until November 15th?

9 A. Well, we started seeing them on the 31st. And we
10 go, gee, another -- what? -- two-and-a-half months before
11 we show them that they're beginning to stabilize. As long
12 as you see -- the longer that cystic phase lasts and it's
13 changing and you're getting more and larger cyst, you're
14 getting an evolution of more brain injury. It doesn't
15 mean there is ongoing insults. It could be. These
16 babies, you know, to get them to term or near term is a
17 huge struggle. To get them to the point to where you have
18 them off the ventilator, they can breath on their own --
19 and, of course, they have terrible lung disease. And
20 they've got to be able to eat and feed and not have any
21 apnea spells or brachycardia spells. That's classic. You
22 have to get their brain, the intact part of their brain,
23 to a point where it's mature enough that either this baby
24 can breath on its own, feed on its own, or it's going to
25 have some help. About the time we get to that point is

1 where we image these babies before they go home. We do
2 the predischarge MR. And our previous studies have shown
3 and others is that the MR findings then or the ultrasound,
4 the worse the findings, the poorer the prognosis
5 neurodevelopmentally, period. It's been shown in all the
6 literature. So that's why I comment on that. Yeah.

7 Q. Okay. Let's take the date of August 31st, 2001.
8 That's where you, in your opinion, there is really the
9 first evidence of cystic change as a result of PVL.

10 A. Yes. I think that's correct. Yes. Yeah.

11 Q. Okay. Now, if we use this evidence-based --
12 what's the word? -- medicine you were referring to, that's
13 two to six weeks, that cavitation?

14 A. That's true.

15 Q. All right.

16 A. It's a two to six week. Like I said before, the
17 more severe, the longer duration of the insult, the
18 earlier they show up, and the worse they are. So does
19 that put more toward the two week than the six week? It
20 does. Evolution.

21 Q. I don't understand that.

22 A. Okay. As I said earlier, it has been shown that
23 the less severe insults, shorter duration, the PVL
24 commonly is small cysts. Okay. The worst ones are the
25 larger cysts.

1 Q. Right. I understand that.

2 A. And they will -- and the PVL -- you can read this
3 in Barkovich. He beautifully summarizes the literature.
4 The smaller cysts evolve a little slower and show up. The
5 big ones often come earlier because it is such a severe
6 insult that you have necrosed brain. You're not just
7 going along with a little bit of ischemia, and there is
8 some injured tissue, and then it takes a period
9 of days, weeks, by way of what's called apoptosis. Are
10 you familiar with that term?

11 Q. No.

12 A. Okay. That's another term we have to consider
13 here that's classic part of this injury. There is without
14 with oxygen or blood flow to the brain, if it's severe
15 enough, you get immediate necrosis, cells die, tissue
16 dies, shows up earlier on imaging, evolves to worse injury
17 faster. That's true for term. That's true for preterm.

18 Here's the other part that's much more elusive
19 for us to detect and that I'm talking about. Okay. You
20 have some drops in oxygen. You have some drops in
21 perfusion. You get injury to the cells, but they don't
22 die right away. They're nuclei -- the nucleus of the cell
23 that runs the cell, you know, it's like the engine. It
24 gets a few damaged parts which they can't replace. And
25 over a period of time -- you know, that's where the DNA is

1 that is the source of the cell and how it survives and how
2 it functions -- it gets damaged. So over a period of time
3 of several hours, depending on the damage, to days,
4 to months, that cell slowly dies. And so those are the
5 little cysts that you get as opposed to, bang, terrible
6 episode, period of no oxygen, no blood flow, necrotic
7 brain, earlier necrosis, big cysts early. So that's when
8 you read in Dr. Barkovich's book and you go to the
9 literature, and you see that two- to six-day range.

10 Q. Two to six week?

11 A. Two- six-week range, that's what they're talking
12 about.

13 Q. So using that analysis of what Dr. Barkovich has
14 written --

15 A. It's not his primary research. It's others that
16 he's quoting. But he --

17 Q. What he summarized.

18 A. Yes.

19 Q. You're saying, because of the size of the cystic
20 changes we see on 8/31, we're probably closer to initial
21 insult of two weeks rather than six weeks?

22 A. Yeah. Yeah. You're in that range. But those
23 are the general trends observed in the literature. And,
24 again, based on just like everything else, the way injury
25 evolves in the brain from a lack of oxygen or blood flow

1 is based on severity and duration, and it's the same for
2 PVL.

3 Q. This initial cyst that Dr. Siegel points out on
4 the August 3rd ultrasound, is that involved in the cystic
5 changes you see on August 31st of '01?

6 A. Yes. Yeah.

7 Q. That area is involved?

8 A. Yes.

9 Q. So isn't it possible, plausible, that that is an
10 extension of an initial PVL cyst.

11 A. Oh, it's possible. Yeah. It's possible.

12 Q. You don't think it's more likely than not,
13 though?

14 A. No.

15 Q. Okay.

16 A. No. And the imaging doesn't allow me to say it's
17 more probable than not because of the evolution of this.
18 And it's a small cyst. It disappears. You go, "Man, if
19 that's all we have, this kid might be doing lovely. And
20 it's early. Hey, we have some hope here."

21 And then, bang, much later you get this terrible
22 injury.

23 Q. Is ultrasound known to have some limited
24 specificity in showing PVL and cystic changes related to
25 PVL?

1 A. Yes, in two areas. When you go look at the
2 neuropathological correlations with ultrasound for PVL,
3 the correlations are poor with the noncystic type. The
4 correlations are great, almost 100 percent, with the
5 cystic type. So that's why when you're in the cystic
6 phase and you have the cystic phase and evolution of that,
7 that's why that's much more reliable on imaging because it
8 correlates.

9 Q. Okay.

10 A. It's the noncystic phase. It includes the early
11 evolution of cystic PVL starting with edema. But it also
12 includes that other noncystic PVL white matter injury I
13 was talking about that we're dealing with now that's not
14 this category.

15 Q. And that goes back to the point you were making
16 earlier as to why we rely on the cystic formation in
17 dating these rather than the noncystic changes?

18 A. Yes. Yeah. See that's a very broad range, two
19 to six. That tells you we're not that precise.

20 Q. Right.

21 A. But there are some tendencies, as I described
22 earlier, yeah.

23 Q. Why don't we go through the next film.

24 A. Okay.

25 (Discussion off the record.)

1 BY MS. REID:

2 Q. Dr. Barnes, we've had the August 7th ultrasound
3 up. And, again, this is the ultrasound film that was
4 marked at Dr. Siegel's deposition.

5 A. Yes, ma'am.

6 Q. She -- first of all, on the fourth image on the
7 first row and the first image on the second row, she has
8 some yellow markings on that; is that correct?

9 A. Yes.

10 Q. What's your understanding of what's being
11 identified on those films?

12 A. Well, now that we may have right and left sorted
13 out --

14 Q. Right. Correct.

15 A. -- the top row, fourth image of the ultrasound,
16 August 7th, 2001, and the time stamp on that is --
17 military time is 20:35:34 -- that identifies the specific
18 image -- has a yellow arrow pointing to the left
19 subependymal hemorrhage that shows areas of increased
20 echoes and some areas of decreased echoes, very similar to
21 the first study. The ventricles are larger than on the
22 first study. And there is still a little bit of increased
23 echo just adjacent to that in the subependymal and
24 periventricular region, although we don't see that little
25 dot or cyst as well on the coronal. And I thought she

1 marked the sagittal image.

2 Q. I thought she marked -- she did.

3 A. There it is. Is that it?

4 Q. There it is.

5 A. And she has also marked first image, second row.

6 That's on reader's left. That time stamp is 20 hours,

7 35 minutes, 57 seconds. And, again, she has a yellow

8 arrow on the mixed echo germinal matrix hemorrhage on the

9 left. So I agree with her findings and comments on that.

10 Q. As it relates to the germinal matrix hemorrhage,
11 you agree?

12 A. Yes.

13 Q. Okay.

14 A. And she has on the second film, second row, third
15 image from reader's left, the time stamp says -- that's
16 the August 3rd. I'm sorry. You know, I thought -- these
17 are both August 3rd.

18 Q. Isn't there a second?

19 A. I'm sorry. This is August 3rd that we looked at.
20 This is --

21 Q. August 7th.

22 A. -- August 3rd that we also looked at where she
23 marked -- this is the second page of August 3rd where she
24 marked the sagittal images. And on the second page -- we
25 didn't go over this yet -- second row, third image from

1 reader's left, the time is 16:11:08. She has the yellow
2 arrow on the sagittal -- like a side view on the germinal
3 matrix hemorrhage that is mixed echogenicity. She didn't
4 mark -- oh, here. We didn't go over these on the first
5 study either, and I apologize.

6 Third row, first page of ultrasound images,
7 again, August 3rd, 2001, time stamp 16:07:10, sagittal
8 image which matches up in the sagittal view or side view
9 with the coronal or front view that we talked about
10 earlier with regard to this area of increased echoes. And
11 the central part of it has relatively less echoes. That's
12 what we were discussing before: Can that be cystic PVL
13 versus periventricular hemorrhagic venous infarction.
14 It's amazing I can still say that. Then she also marks
15 other images on that same film of that same study that are
16 just showing some of the mixed echoes within the germinal
17 matrix hemorrhage on the left.

18 I apologize. But she did mention that on the
19 August 7th study she did see -- maybe I don't have that
20 exhibit. Let me see. I think I only had three films.
21 And the exhibits I've been talking about are IA, IB, and
22 then this exhibit is not --

23 Q. Flip that.

24 A. Oh, is that how it works?

25 -- is 3. Maybe there is another exhibit because

1 in her deposition she talks about very clearly that she
2 sees it on two views on August 3rd, and I agree. She only
3 sees it on one view, as I recall that she said that, on
4 8/7. I see it also. I'm pretty sure it's there on that,
5 but let me see if I can show you on my set. Let's see if
6 we can find it. Okay. Here's my copy of August 7th, 2001
7 ultrasound. The two top rows are mostly the coronal
8 images. Then starting at the last image on the second row
9 and then the third, fourth, and last row are all sagittal
10 images. So let me see if I can find that left -- a little
11 tougher to find, that area that we were talking about
12 before; but I thought I saw it. Like she says in her
13 deposition, we may be missing it. It may be off to the
14 side a little bit.

15 Q. Because of the views that are taken?

16 A. Yes, that's right. So maybe what she was saying
17 is that on the second study of August 7th, maybe she only
18 saw it on the coronal and not the sagittal.

19 Q. And when you say, "it," you are referring to
20 what? The cystic formation in the middle of the --

21 A. Yes, the left subependymal and periventricular
22 tiny cysts with the increased echoes around it, that we've
23 been discussing before, the issue being cystic PVL versus
24 hemorrhagic periventricular venous infarction. I think I
25 said it backwards.

1 Q. Hemorrhagic comes first in that?

2 A. Periventricular hemorrhagic venous infarction.

3 MR. BECKER: Is there another film in your packet
4 for the same day?

5 THE WITNESS: Yes, I think there is.

6 MR. BECKER: Let's get it.

7 THE WITNESS: They did the kidney that day.

8 BY MS. REID:

9 Q. That doesn't have anything to do with your
10 opinions; does it?

11 A. It does not. I will concede on the kidneys.
12 Okay. You got me on the kidneys. Well, I think that's
13 maybe the only one.

14 Q. The only August 7th film.

15 A. Because it has both coronal and sagittal images
16 on one film. Isn't that interesting. Well, it's the same
17 film. All right. I was expecting that she marked. Let
18 me see. I thought she marked the sagittal, but maybe she
19 didn't. Maybe it's this she's marking, the one we talked
20 about earlier. So I don't see that she has marked the
21 sagittal on there.

22 MR. BECKER: Let's go off the record a moment.

23 (Discussion off the record.)

24 THE WITNESS: So on the August 7th study that
25 we're relooking at again to try to clarify Dr. Siegel's

1 marks, the two marks that she has on this film are on
2 coronals or the front views, none on the side views. And
3 from the arrows that she has, she is pointing primarily to
4 the left germinal matrix hemorrhage; whereas, on the
5 August 3rd study, she drew a circle around the little
6 cystic area. On both a coronal and a sagittal on this
7 study I don't see a circle or an arrow pointing
8 specifically to that arrow -- area. I thought I
9 underlined in her deposition where she said that, though.

10 MS. REID: Yeah.

11 MR. BECKER: I think, Chris, during the depo, I
12 was particularly concerned, since she gave up one of the
13 bases, in her opinion, there was a neuroinjury rating, I
14 didn't spend a lot of time on that. I just wanted to
15 focus in on the cyst that she sees. I had her circle
16 where she saw the cyst during the depo.

17 MS. REID: The depo is going to speak for itself,
18 but I think -- if we want to take the time to go through
19 the depo, we can do that.

20 MR. BECKER: No. I'm not sure why we're doing
21 this.

22 THE WITNESS: Do you want me to show you where it
23 is in the depo?

24 MS. REID: No, no. I think I know where it is.
25 I can't find it now.

1 THE WITNESS: I think I can find it. I think
2 it's much earlier.

3 MR. BECKER: Let's take a short break.

4 (Recess.)

5 BY MS. REID:

6 Q. We were going through Dr. Siegel's deposition on
7 the break, which led me to a question. You've made some
8 markings in her deposition, some underlinings, some
9 parentheses, some stars. Is there any significance to
10 your method? I mean, rather than go through every mark
11 you've made in the deposition --

12 A. No. Most of the marks are to remind me of what
13 she said about given ultrasounds at given times. I agreed
14 with her in terms of what the findings are. Where I
15 disagree is what they represent and their timing.

16 Q. Okay.

17 A. So I'm not sure that there is anything other than
18 that.

19 Q. All right. So when you both look at these films,
20 you're seeing the same thing. It's just a matter of
21 interpretation of what's causing them or what their
22 significance is?

23 A. Well, that's true. That's generally true.

24 Q. Your ultimate opinions in this case, I guess, are
25 summarized under the conclusions in your report?

1 A. Yes, ma'am.

2 Q. All right. First conclusion, the ultrasound show
3 classic postnatal brain complications of prematurity.

4 A. Yes, ma'am.

5 Q. You have described for me the evolution and the
6 process that you see, stemming from a periventricular
7 hemorrhagic venous infarction?

8 A. Yes, ma'am.

9 Q. Is that what you're referring to in that
10 conclusion?

11 A. Yes. And, more importantly, the cystic PVL.

12 Q. Okay. Meaning the cystic PVL occurring on
13 August 31st in this case?

14 A. First visualized on August 31st.

15 Q. First visualized on August 31st.

16 A. Correct.

17 Q. When did you come to the conclusion that there is
18 evidence of periventricular hemorrhagic venous infarction?

19 A. It was a consideration of mine to explain,
20 particularly after I read her opinion, after I read her
21 letter where she was pointing to this -- is how to explain
22 that. As you can see from the series of my notes in here,
23 I could not explain that. And it's primarily after I read
24 her opinion. So that may tell you that I must have read
25 her opinion after I wrote this letter. Yeah.

1 Q. Okay. Did you see that cyst, though, that she
2 refers to or that black spot --

3 A. That dot?

4 Q. That dot prior to reading her report and prior to
5 reading her deposition?

6 A. Yes. And I lumped it together here on this as
7 part of the grade 1 and grade 2 germinal matrix hemorrhage
8 of mixed echogenicity. I was lumping all that together in
9 the subependymal periventricular region. She, in her
10 report, decided to isolate that out as cystic PVL. That's
11 commonly how we consider when we see a grade 2 or larger
12 subependymal hemorrhage that extends beyond the
13 subependymal region like this does, the primary thinking
14 about that -- you'll read it in the textbooks. It's a
15 classic pathophysiology -- is the complication of grade 1
16 germinal matrix hemorrhage, some grades 2 also, is the
17 venous obstruction with infarction and the hemorrhagic
18 infarction. And they tend to describe it as
19 periventricular rather than subependymal. And the reason
20 they do is because it extends and the starts from the
21 subependymal region out into the periventricular region.
22 So that's where the debate starts. And it was that way
23 for years. It was that way for years until we got it
24 straightened out with animal models and neuropathology in
25 these babies when -- you know, the postmortem

1 neuropathology when we discovered that vein was being
2 obstructed. You could see it in the pathology on the
3 section that caused the secondary hemorrhage related just
4 to a grade 1. And so it's kind of misclassified from
5 early on as a grade 4, when it doesn't have to be a lot of
6 intraventricular hemorrhage with that to get that. You
7 can get it with the grade 1.

8 Q. They're two separate processes?

9 A. No. They're probably the same. They're probably
10 the same. But just like in this case here, where if that
11 had persisted and become a big hole, what we call
12 porencephaly, they may have said -- they may have
13 classified this as a grade 4 later.

14 Q. Okay. Ultimately, what I'm trying to get to, is
15 you described for me the basis of your conclusion No. 1?

16 A. Yes, ma'am.

17 Q. All right. No. 2, I think it's the same
18 conclusion stated another way. The ultrasound show a
19 classic textbook progressive evolution of postnatal
20 periventricular neuromalacia.

21 A. Yes, ma'am.

22 Q. Okay. You described your basis for that. And
23 one of the primary reasons for that is that initial cyst
24 disappears; and then, on August 31st, we again see is cyst
25 formation.

1 A. There and elsewhere. More importantly, elsewhere
2 that's much more extensive than just that area, yes.

3 Q. The ultrasound findings are not consistent with
4 the antepartum hemorrhage or antepartum PVL?

5 A. Yes. More probable than not that's my opinion.
6 That's, you know, for most everything that we're seeing
7 here. That means am I at 60 percent on that? Am I at
8 70 percent on that? I mean, there is 20 to 30 percent
9 there that says there may be some overlap here with one
10 part of this injury that we see that we're talking about.
11 And I think the dispute or the difference between myself
12 and Dr. Siegel is about that one area. This overall brain
13 injury, more probable than not, as number 3 says, is not
14 consistent with antepartum hemorrhage or antepartum PVL.

15 Q. But we've agreed earlier that it's possible that
16 that cyst formation that we see could be possibly
17 consistent with an antepartum injury?

18 A. That portion, yes.

19 Q. So what you're saying is not consistent is the
20 PVL?

21 A. Correct.

22 Q. Okay. Do you think it's not even a possibility
23 that the PVL that we see in this child could be related to
24 an antepartum injury?

25 A. The vast majority of the PVL damage in this

1 brain, yes. Yes.

2 Q. Okay.

3 A. Yes. That one spot is a possible. I don't think
4 it's cystic PVL. I told you what I think it is. So based
5 on that, more probable than not, what I'm saying is what I
6 state in No. 3, that this is most consistent with
7 postnatal. And No. 3, to turn that around, it's more
8 probable than not not consistent with antepartum
9 hemorrhage or PVL.

10 Q. So you're saying the same thing, just kind of
11 flipping them around?

12 A. Yes.

13 Q. Let me ask you this to avoid going through --
14 really there is three more films between 8/3 and 8/31.
15 Have you described your interpretation of the 8/7, 8/15,
16 and 8/24 film and the significance of your opinion in your
17 report?

18 A. Yes, ma'am.

19 Q. What's the significance of the ventricles getting
20 larger in the 8/7 film?

21 A. In the 8/7 film, probably the intraventricular
22 hemorrhage as it expands and causes -- you're familiar
23 with the term hydrocephalus?

24 Q. Right. That one I know.

25 A. That's why I make that statement in the first

1 paragraph. Where is that statement? One cannot use
2 ventricular enlargement in the presence of
3 intraventricular hemorrhage as an indicator of atrophy,
4 meaning tissue loss, due to prior parenchymal injury or
5 old parenchymal injury. I mean, you can get ventricular
6 enlargement, obviously, from loss of brain tissue. But
7 when you have a hemorrhage in there causing the
8 hydrocephalus blocking the flow of CFS, causing those
9 chambers to enlarge, you can't say anything with regard to
10 that finding representing old injury with atrophy, in my
11 view, my opinion.

12 Q. Is it your interpretation of the 8/7, 8/15, and
13 8/24 films that there are no cystic changes apparent on
14 those ultrasound films?

15 A. Yes, ma'am. You said 8/7?

16 Q. 8/7.

17 A. 8/15?

18 Q. 8/15.

19 A. 8/24?

20 Q. 8/24.

21 A. Yes, ma'am.

22 Q. All right. So you see it on 8/3 and then not
23 again until 8/31?

24 A. Correct.

25 Q. These -- in Paragraph 2 of your interpretations,

1 the periventricular basal ganglia and thalamic echoes
2 consistent with edema.

3 A. Yes, ma'am.

4 Q. What's the significance of that?

5 A. Increased echoes that are representative of edema
6 tells you you are in the so-called acute to subacute phase
7 if we're talking about a mechanism such as
8 hypoxia-ischemia. The acute to subacute phase is
9 considered somewhere between -- depending on what modality
10 that you use, is at least 24 hours up to about 7 days,
11 acute to subacute.

12 Q. Okay. So as of August 7th, are you saying we are
13 within 24 hours to seven days of the initial insult?

14 A. Yeah. That's probably fair. About one to seven.

15 Q. And then in Paragraph No. 3, referring to the
16 8/15 film, those echoes are now decreasing, meaning the
17 swelling is subsiding. And that's, in your opinion, the
18 natural progression of the process?

19 A. Yes. Usually starts coming down after about five
20 to seven days. The edema definitely comes down between 10
21 and 14 to where it starts disappearing if you've had no
22 more insults. I mean no more insults significant enough
23 to cause more injury with, you know, more edema.

24 Q. Do you have an opinion in this case as to whether
25 the PVL was the result of one large insult or many small

1 insults, or can we make that determination with the
2 information here?

3 A. No. All you know is that it's a severe injury,
4 so now you have to look at severity and duration. And by
5 that, meaning severe, was it -- and duration, was it one
6 episode with a very severe lowering of blood flow or
7 oxygen; or was it a series, and the injury is
8 accumulative? And then over what time period are we
9 talking? I don't think imaging can tell us that,
10 particularly with regard -- sometimes imaging in the term
11 can suggest, but not in the preterm, I don't think.

12 Q. When we talk about timing in this deposition
13 today, you've given me a lot of ranges.

14 A. That's right.

15 Q. -- which I understand is the nature of the signs.
16 When you come to trial in this case, are you going to give
17 anything more specific as to here is the date I believe
18 the initial insult occurred?

19 A. No.

20 Q. You can't do -- science doesn't allow us to do
21 that?

22 A. It does not, particularly with ultrasound.

23 Q. All right. Is there anything -- I'm just trying
24 to do this rather than go through all the films -- that
25 you feel you need to add to your findings of ultrasounds

1 that you have noted in your report?

2 A. No.

3 Q. Okay. The findings you noted here are what
4 support your basis that this is -- that the findings are
5 consistent with postnatal brain complications of
6 prematurity?

7 A. Yes, ma'am.

8 Q. Let me just ask you a couple of questions about
9 your expert work. Obviously, you were kind enough to give
10 me that chronology. So that gives me most of the
11 information.

12 Do you still garner about one-third of your
13 income from doing medical/legal reviews?

14 A. Yes, ma'am.

15 Q. At one point reading a deposition, you were
16 reviewing about three new cases a week?

17 A. Yes. Now it's about no more than three a month.

18 Q. No more than three a month. When did that
19 change?

20 A. Probably the last year. Yeah.

21 Q. Any particular reason why it's decreased in
22 frequency?

23 A. I'm doing more child abuse work and a little bit
24 less medical/legal.

25 Q. How often do you testify in child abuse?

1 A. Oh, boy, almost monthly.

2 Q. Really? That's not good to hear.

3 A. That's not good to hear.

4 Q. The testimony here that's listed on Exhibit 2, is
5 that both the child abuse cases and your medical/legal
6 work or is this all medical/legal?

7 A. Both.

8 Q. Both.

9 A. But you'll find that 80 percent is medical/legal.
10 But over the last two, three years, it's increasingly more
11 child abuse work.

12 Q. And is there a way I can distinguish which ones
13 would be child abuse versus which is medical/legal?

14 A. Yes, ma'am. Where it says, "state versus," or
15 the name of a state versus.

16 Q. Gotcha. Gotcha.

17 Do you remember -- it looks like -- this is just
18 a listing starting in 1993. What year did you first start
19 doing medical/legal work?

20 A. Practically the day I got out of fellowship in
21 1977.

22 Q. Do you remember why it was you first decided to
23 get involved?

24 A. I went back to Oklahoma, Oklahoma Children's.
25 And I started getting calls from attorneys, mostly defense

1 attorneys in the beginning in the Oklahoma area. And then
2 after that, I did mostly defense consults for maybe the
3 nine or ten years I was there. And I wasn't really
4 turning down plaintiffs to review things. It was just
5 after a while, I would get a call. And my friends, who
6 are defense attorneys, saying, "Yeah, you need to take
7 both. You're doing this work and just showing your bias
8 toward one side." And I've been doing, over the years,
9 increasing plaintiff work. Now it's about 50/50 in terms
10 of reviews. So I do both. Whoever calls me first. I
11 don't screen the cases, which makes some of the initial
12 phone conferences interesting. I don't know if the
13 plaintiff or defense sent me this case.

14 Q. Do you enjoy doing this?

15 A. I'll tell you it is very important. And as a lot
16 of my colleagues at Packard Children's, the
17 neonatologists, and other colleagues before that do this
18 work, we learn so much about brain injury and all the
19 different factors involved and particularly with regard to
20 quality improvement. You know, I'm not just talking about
21 risk management. I'm talking about quality improvement.
22 And I think many or most of the neonatologists where I
23 work and the OB people do medical/legal work, both sides,
24 same in Boston. And let me tell you, it's very, very
25 important. You know, for physicians who turn their backs

1 on these types of issues and say, "They don't exist," and
2 "I don't talk to lawyers," and, "Don't question me about
3 how I practice medicine" -- or vice versa on the other
4 side of it. It's very important. And that was impressed
5 upon me early in my career by physicians around me and
6 attorneys who say, "You know, you're very specialized in
7 this area. We have a lot of people testifying who are GPs
8 or general radiologists or people outside of imaging that
9 don't really have expertise in this area that are giving
10 opinions."

11 So the group of us in the Society -- in the
12 American Society of pediatric neuroradiology, when we
13 finally formed that, gosh, back in the early '90s, said,
14 you know, we've got to do something about this. That's
15 Tom Naidich, Bob Zimmerman, Jim Barkovich, Bill Ball, just
16 about anybody you could name out there, Marvin Nelson.
17 Most everybody, 10 to 12 of us, are involved to try to
18 keep it at the level of expertise that it should be. So
19 that's kind of how it's done. Oh, my gosh, what we
20 learned from it is important for improving medicine, if --
21 you know.

22 Q. This chronology that you've given me, this is
23 just the list of cases where you've testified, deposition
24 or --

25 A. Yes.

1 Q. So there's other cases that you have reviewed and
2 maybe given a report that wouldn't be listed here;
3 correct?

4 A. That I never hear from again.

5 Q. Do you know how many times you reviewed a case
6 for Mr. Becker or his law firm?

7 A. At least a half dozen, at least a half a dozen,
8 maybe more.

9 Q. Other than Dr. Siegel, have you been provided the
10 names of any other experts or people involved in this
11 case?

12 A. No, ma'am.

13 Q. And other than the ultrasounds and the ultrasound
14 interpretation in the discharge summary, you've reviewed
15 no other medical records in this case?

16 A. That is correct.

17 Q. Is that your general policy? You just review the
18 films, the interpretations, and maybe the discharge
19 summary?

20 A. Yes, just like I do at work. I try my best not
21 to be biased by that so I can give a fair opinion to our
22 clinicians instead of saying, "Oh, that's right. You're
23 right about that. That supports hypoxia-ischemia. Yeah.
24 That's the way I read my report." That's the worst thing
25 we can do in medicine. Because we know findings are often

1 nonspecific in a pattern of injury and timing. You have
2 to consider other things.

3 "You guys better rule out infection."

4 Or, "You know, this is not quite typical of
5 hypoxia-ischemia. This could be a metabolic disorder."

6 "Oh, yeah."

7 You know, they'll come down and argue with us.
8 We think it's this. We think -- that's fine. This is
9 what the imaging says. You've got to work on these other
10 things.

11 Q. Do you know, was it Mr. Becker himself that
12 called you from his office?

13 A. I doubt if he called. It's usually an e-mail
14 from most people because I have no time to be on the phone
15 talking to somebody who wants me to review a case. If
16 they call me, I say, "Look, can't talk now. Busy on the
17 clinical circuit. E-mail me." I do everything by e-mail.

18 Q. Do you retain the e-mails you do on these cases?

19 A. No. It's really rare. It would have to be an
20 e-mail that had a report on it, for some reason, that I
21 didn't have in any other form or something. It's very
22 rare.

23 Q. Dr. Barnes, have we covered your opinions in this
24 case?

25 A. Yes, ma'am.

1 Q. All right. So what you anticipate testifying to
2 at trial, we have discussed today?

3 A. Yes, ma'am.

4 MS. REID: I don't think I have any further
5 questions.

6 **(Whereupon, Defendant's Exhibit 3**
7 **was marked for identification.)**

8 (Whereupon, the March 8, 2007, deposition of
9 PATRICK D. BARNES, M.D. ended at 3:21 p.m.)

10

11

12

13

PATRICK D. BARNES, M.D.

14

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

I, DARCI E L. MOORE, duly authorized to administer oaths pursuant to Section 2093(b) of the California Code of Civil Procedure, do hereby certify that the witness in the foregoing deposition was administered an oath to testify the truth in the within-entitled cause; that said deposition was taken at the time and place therein cited; that testimony of said witness was reported by me and thereafter transcribed under my direction into typewriting; that the foregoing is a complete and accurate record of said testimony; and that the witness was given an opportunity to read and correct said deposition and to subscribe the same.

Should the signature of the witness not be affixed to the deposition, the witness shall not have availed himself/herself of the opportunity to sign, or the signature has been waived.

I further certify that I am not of counsel nor attorney for any of the parties in the foregoing deposition and caption named, nor in any way interested in the outcome of the cause named in said caption.

DATED: March 14, 2007.


DARCI E L. MOORE
CERTIFIED SHORTHAND REPORTER NO. 3143