

IN THE COURT OF COMMON PLEAS
CUYAHOGA COUNTY, OHIO

John M. Karaba,
Administrator of the
Estate of Rita A. Karaba,
Deceased,

Plaintiff,

vs.

Parma Community General
Hospital, et al.,

Defendants.

Case No.
408025

VIDEOTAPED DEPOSITION

of FRANCIS E. BARNES, M.D.

Taken at the offices of
BARNES SURGERY
3360 Tremont Road
Columbus, Ohio 43221-2111

on June 4, 2001, at 10:11 a.m.

Reported by: Dawn M. Morrison

--0--

1 APPEARANCES:

2

3 John B. Robertson
4 WESTEN HURD FALLON PAISLEY &
5 HOWLEY LLP
6 2500 Terminal Tower
7 50 Public Square
8 Cleveland, Ohio 44113-2241
9 (216) 607-3304

6

7 on behalf of the Defendant,
8 Parma Community General Hospital

8

9 Harry E. Guion
10 PARMA LAW CENTER
11 5566 Pearl Road
12 Parma, Ohio 44129
13 (440) 886-7089

11

12 on behalf of the Plaintiff,
13 John M. Karaba

13

14 JAMES M. Kelley III
15 REMINGER & REMINGER
16 113 St. Clair Avenue
17 Suite 700
18 Cleveland, Ohio 44114
19 (216) 687-1311

17

18 on behalf of the Defendant,
19 Emergency Physicians, **Inc.**,
20 and Dr. Gordon

19

20 ALSO PRESENT:

20

21 Gary Burgard, Videographer

21

--0--

22

23

24

15
16
17
18
19
20
21
22
23
24

1 INDEX OF EXAMINATION

2 BY MR. ROBERTSON:.....6
 BY MR. GUION:..... 58
 3 BY MR. KELLEY:..... 110
 BY MR. ROBERTSON:..... 132
 4 BY MR. GUION:..... .136

5

6 INDEX OF EXHIBITS

7 Exhibit 1 5
 8 Curriculum Vitae of Dr. Francis E. Barnes
 9 Exhibit 2164
 Bibliography

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 --0--

2 (Deposition Exhibit 1 marked.)

3 --0--

4 THE VIDEOGRAPHER: This is the
5 , videotaped deposition of Dr. Francis E.
6 Barnes, taken by the defendant in the matter
7 of John M. Karaba, administrator of the
8 estate of Rita A. Karaba, deceased, versus
9 Parma Community General Hospital, et al., in
10 the Court of Common Pleas, Cuyahoga County,
11 Ohio; Case Number 408025, held at the
12 offices of Barnes Surgery, 3360 Tremont
13 Road, Columbus, Ohio, on Monday, June 4th,
14 2001, at approximately 10:11 a.m.

15 The court reporter is Dawn Morrison;
16 the videographer is Gary Burgard, both with
17 the firm of Professional Reporters, Inc.

18 Counsel will now introduce
19 themselves for the record.

20 MR. ROBERTSON: Go ahead.

21 MR. GUION: My name is Harry Guion,
22 and I represent the estate of Rita Karaba.

23 MR. ROBERTSON: I'm John Robertson,
24 and I represent Parma Community General

1 Hospital, and I will be taking the
2 deposition of Dr. Barnes under direct
3 examination this morning.

4 MR. KELLEY: My name is Jay Kelley.
5 I represent Dr. Gordon and his employer,
6 Community Emergency Physicians.

7 THE VIDEOGRAPHER: The court
8 reporter will now swear the witness.

9 FRANCIS E. BARNES. M.D.
10 being first duly sworn, as hereinafter
11 certified, deposes and says as follows:

12 EXAMINATION

13 BY MR. ROBERTSON:

14 Q. Would you please state your full
15 name for the record, Doctor.

16 A. Francis Edward Barnes.

17 Q. And what is your professional
18 address?

19 A. 3360 Tremont Road, Columbus, Ohio.

20 Q. What is your profession or calling?

21 A. I'm a general surgeon.

22 Q. Doctor, I have had marked as an
23 exhibit to your deposition Exhibit 1, a copy
24 of your CV. Would you take a look at

1 that --

2 A. Sure.

3 Q. -- and tell us whether it is both
4 accurate and current.

5 A. Yes, it is.

6 Q. Thank you. Do you currently hold a
7 license to practice medicine in Ohio?

8 A. Yes, sir.

9 Q. How much of your professional time
10 is devoted to the clinical practice of
11 medicine, or as teaching in connection with
12 an accredited medical school?

13 A. Approximately 60 percent.

14 Q. Doctor, tell the Court and jury
15 about your professional education, beginning
16 with undergraduate school.

17 A. I was born and raised in Canada, and
18 I went to medical school and premedicine at
19 the University of Western Ontario in London,
20 Canada. I had an internship -- rotating
21 internship in London, Ontario; that is to
22 say, a little bit of everything, not too
23 much of anything.

24 Then I came to Columbus, where I had

1 a surgical internship at Grant Hospital.
2 Following that I had a surgical residency at
3 Marquette University in Milwaukee,
4 Wisconsin. I stayed there for an extra
5 year. Nowadays they would call it a
6 fellowship year; we used to call it a chief
7 year, but it was as an attending. And
8 during that year, 1967, I also got my
9 American Board of Surgery certification.

10 Q. What does it mean to be board
11 certified, Doctor?

12 A. To be board certified -- board
13 certification is sort of like getting a
14 driver's license; you have to show people
15 that you know what it is to be a surgical
16 specialist. You have to write an exam, you
17 have to take orals after you have completed
18 an accredited training program.

19 Q. At what hospitals do you currently
20 enjoy privileges?

21 A. Well, in 1967 I came to Columbus,
22 and in 1971, I believe it was, I became a
23 fellow of the American College of Surgeons.
24 I've been in private practice ever since.

1 I'm affiliated with Grant, Mount
2 Carmel, and St. Ann's. I have a teaching
3 position with the Ohio State University
4 Department of Surgery as a clinical
5 instructor. I'm also on the faculty of the
6 Mount Carmel surgical residency here in
7 town.

8 My practice has been -- changed over
9 the years. I started out in practice doing
10 a lot of vascular surgery. Vascular was not
11 a subspecialty in those days; it was a
12 component of general surgery.

13 Q. Could you define for us the present
14 scope and nature of your practice?

15 A. Sure. And I was just going to
16 mention that I also started the Grant
17 Hospital Trauma Program, which is a level
18 one trauma center here in central Ohio. I
19 think it's probably the best one in Ohio.

20 But I did trauma surgery for 13
21 years. I stopped doing trauma in 1994. I
22 stopped doing vascular surgery about the
23 same time, because I had a hip replacement.

24 I still do general surgery. My

1 current practice consists of approximately
2 25 percent breast disease, 25 percent
3 gallbladder and biliary disease, 25 percent
4 hernias of various types, and 25 percent
5 would be intestinal surgery, such as colon -
6 cancer, that sort of thing, thyroids.

7 Q. Doctor, in how many cases of colon
8 cancer have you been involved over the years
9 of your practice as a general surgeon?

10 A. Oh, hundreds.

11 Q. To what professional associations do
12 you currently belong?

13 A. Well, the usual ones: The County
14 Medical Society, the State Medical Society,
15 the State Surgical Society. That's the Ohio
16 chapter of the American College of Surgeons.
17 I am a fellow of the American College of
18 Surgeons and I regularly attend their
19 meetings.

20 I also belong to a couple of
21 specialty ones. One is the American Hernia
22 Society, of which I'm a charter member, and
23 you'll notice that there's a Hungarian
24 Medical Association of America. Due to my

1 heritage, I joined that. It's a great
2 meeting, by the way. It's in Sarasota,
3 Florida, every year. People usually ask me
4 about it, so I thought I'd preempt you on
5 it. -

6 Those are the societies that I
7 belong to. I also belong to the Society of
8 Laparoscopic Surgeons.

9 Q. Doctor, have I personally ever
10 engaged your services as an expert medical
11 witness before?

12 A. No.

13 Q. You have, however, I believe, been
14 engaged by my firm?

15 A. Right. I worked with Mr. Jeffries
16 in your firm.

17 Q. How many times has our firm retained
18 you as an expert?

19 A. Twice.

20 Q. All right. When were you first
21 contacted by my office about the case of
22 Rita Karaba?

23 A. About a year ago.

24 Q. And with what materials were you

1 subsequently furnished?

2 A. I was given this booklet, which
3 contains the hospitalizations at Parma
4 Community General Hospital on 4-27-98,
5 4-30-98, 11-23-98.

6 It also has Rita Karaba's autopsy,
7 the -- that was performed on November 24,
8 1998. This also had opinion letters from
9 both plaintiff's experts, who were
10 Dr. Richard Braen -- is that the way you
11 pronounce it -- B-R-A-E-N; Dr. Booth has
12 also given. I was given defense expert
13 opinions, by Dr. Lewis Horowitz, and
14 Dr. David Woodruff.

15 Q. All right. Did you then review
16 those materials and provide a report to me
17 dated February 21st?

18 A. Yes, I did.

19 Q. Did I subsequently provide you with
20 some additional materials?

21 A. Yes, you did.

22 Q. And what were they?

23 A. I received, in addition, a report
24 from a Dr. Charles Emmerman, Dr. Armand

1 Green -- let's see -- Dr. William Schermer,
2 as well as depositions of Frank Booth,
3 Ronald Gordon, and Richard Braen.

4 Q. Did any of the materials that I
5 provided to you after your report of
6 February 21st change any of the opinions you
7 expressed in that report?

8 A. Not at all.

9 Q. Doctor, as you know, this case
10 revolves around the unfortunate death of
11 Rita Karaba on November 23rd of 1998.

12 A. Yes, sir.

13 Q. After your review of the materials
14 with which I provided you, do you have an
15 opinion, based upon your years of experience
16 and training and the materials that you've
17 looked at, to a reasonable degree of medical
18 probability, as to the cause of death of
19 Rita Karaba?

20 A. Yes, sir, I do.

21 Q. And what is that opinion?

22 A. My opinion, this woman died of
23 pulmonary edema -- that is fluid in the
24 lungs -- secondary to sepsis.

1 Q. Is there another name for pulmonary
2 edema?

3 A. Well, there is another name for this
4 particular situation; it's called adult
5 respiratory distress syndrome.

6 Q. What is that, Doctor?

7 A. Well, you can have fluid in the
8 lungs from various conditions. Heart
9 failure is a very common one. The fluid in
10 this situation is not due to any problem of
11 the heart. The fluid in the lung in this
12 situation is due to simply the leakage of
13 fluid from your blood vessels into the space
14 between the air sacs. That's what pulmonary
15 edema is.

16 Now, the reason for it happening is
17 not entirely clear. There's lots of
18 literature on this, but it's pretty obvious
19 that it has to do -- one of the main causes
20 for this situation is sepsis, trauma is
21 another, pancreatitis is another.

22 Q. What is sepsis?

23 A. Sepsis is the -- is the term that we
24 use to describe the body reactions to

1 infection.

2 Clearly, this woman was infected.
3 She came in with fever. She had an
4 unbelievably high white count. 61,400 white
5 cell count is extremely high. She had a
6 perforation.

7 The autopsy is very clear that she
8 had a perforated bowel. Also describes -- I
9 can read it to you from the autopsy. The
10 anatomical diagnoses include acute purulent
11 peritonitis. That is, purulent means it's
12 infected; that it has bacteria in it.

13 Peritonitis is an inflammation of
14 the lining of the abdomen. Now, in all
15 fairness, it wasn't all over the place. It
16 was in the left side of her abdomen where
17 the tumor was perforated.

18 There's other indicators that this
19 woman had -- had this septic phenomenon,
20 both on the chest x-ray that was done before
21 her CAT scan, as well as the CAT scan.
22 There are lung changes which are indicative
23 of ARDS. We call these streaking or
24 infiltrates.

1 A very important feature also has to
2 do with this leakage of the fluid from the
3 blood into the lungs, and on her microscopic
4 examination, the prosector described
5 intra-alveolar fibrin.

6 Q. What is fibrin?

7 A. Fibrin is a -- is a protein that
8 comes from plasma protein called fibrinogen.
9 It is sort of the -- the mesh that is made
10 by the body in order to trap blood cells to
11 form a blood clot. Blood cells, blood
12 platelets get hung up. It's almost like a
13 little screen effect. It's -- that's the
14 purpose of the fibrin, is to trap things in
15 order to -- now, that's in order if you get
16 cut and you have to heal the thing.

17 The fact that there's fibrin in the
18 lung is very significant to me, because that
19 is a hallmark of ARDS.

20 She also had intervascular
21 granulocytosis. Now, that's a big, fancy
22 word for a lot of blood cells, a lot of
23 white cells.

24 Sepsis can -- can affect not only

1 the lung, it can affect other organs. It
2 can affect your -- your ability to -- for
3 your kidneys to work properly. Eventually
4 it can affect your heart; in fact, the end
5 stage of sepsis is what we call multiple
6 organ failure; in other words, everything's
7 affected.

8 Q. In this case, Doctor, do you believe
9 that Rita Karaba -- or do you have an
10 opinion based upon a reasonable degree of
11 medical probability as to whether or not
12 Rita Karaba was suffering from a severe case
13 of peritonitis?

14 A. Let me answer it this way: There is
15 no question that she had peritonitis. I
16 think the autopsy bears that out, and my --
17 I don't want to sound like
18 President Clinton, but it's a question of
19 what is severe. Severe, to me, is where you
20 have a generalized case of peritonitis,
21 where you might have bowel content all over
22 the place, ruptured intestine and so forth.

23 I would describe this woman's
24 peritonitis as maybe not being severe, but

1 significant. She had a perforation. She
2 had dying and dead tissue.

3 The autopsy is very clear about the
4 so-called necrosis, and it also supports her
5 clinical findings, which I'm sure you'll ask
6 me about later, but the -- the fact that she
7 had a lot of swelling in the area of the
8 tumor on the CAT scan and at autopsy, the
9 fact that there were changes in the surface
10 of the colon; she describes -- and I
11 apologize for these big words, but they're
12 hers not mine -- fibrinopurulent serositis
13 with polymorphic bacterial colonies.

14 Well, all that means is that there
15 is infectious material there due to bacteria
16 from the bowel. And the serositis is the
17 same as peritonitis, except it's on the
18 surface of the bowel as opposed to the
19 lining of the abdomen. There's inflammation
20 there; lots of inflammation there. There's
21 sepsis there, there's infection there. And,
22 so, yes, she had peritonitis.

23 Q. Do you have an opinion based upon a
24 reasonable degree of medical probability,

1 Doctor, as to whether or not Rita Karaba was
2 dehydrated when she presented at the
3 emergency room?

4 A. Yes; I believe she was dehydrated.

5 Q. To what extent do you believe she
6 was dehydrated?

7 A. Well, it's a little confusing,
8 because there's more than just one factor at
9 work here, but let's look at her facts.

10 The fact is that her blood pressure
11 dropped to some degree when they put her
12 from a flat position to an upright position.
13 That gives us a clue that she is dehydrated,
14 say, 10 percent.

15 Her white count was high, but I
16 think it was high because of infection,
17 slightly higher because of the concentration
18 of the blood.

19 How much was she concentrated?
20 Well, let's look at this. Her hemoglobin
21 was listed as 16.9 grams, and her hematocrit
22 49.9 percent. This would also be in keeping
23 with about a ten-percent dehydration.

24 Interestingly enough, though, of her

1 laboratory values, the BUN -- that's blood,
2 urea, nitrogen -- which is a prime indicator
3 of where you are as far as not only
4 hydration but kidney is concerned, was 20,
5 and normal at Parma is 6 to 19, so that's
6 barely -- barely above normal.

7 Creatinine, which is another kidney
8 test, which is also an indicator of
9 dehydration, was 1.2. The normal there is
10 up to 0.9. So, clearly, both of these are
11 slightly elevated, but not wildly elevated.

12 The amylase level was 24. That's
13 less than normal, which is fascinating to
14 me, because some of her laboratory values
15 are elevated and some of them are not.

16 Well, if you were to say what degree
17 of dehydration -- mild, moderate, severe; 5
18 percent, 10 percent, 15 percent -- I would
19 say that she was certainly no more than 10
20 percent dehydrated.

21 Q. And what factors in the evidence
22 before you do you point to to justify the
23 conclusion that it was no more than a
24 10-percent dehydration?

1 A. Well, as I mentioned, her laboratory
2 values plus the fact that she was alert and
3 talking and awake.

4 There is -- you know, you have to
5 put the entire clinical picture together.
6 The lady was sick for a couple of days, no
7 question about that. She came to the
8 emergency room with a two-day history of
9 pain, and then. she vomited. She had one
10 episode of vomiting.

11 Now, while she was there, she also
12 had some diarrhea, so clearly she lost some
13 fluids, or, on the other hand, we don't
14 know -- there's no way of telling how much
15 fluid she did not take in before coming to
16 the hospital, but I don't think anybody's
17 going to argue that -- you know, with the
18 fact that she was slightly dehydrated.

19 Were some of these blood pressure
20 readings affected by sepsis? It's a good
21 question. They can be. Your heart can be
22 affected by sepsis, but that would be
23 speculative on my part. I have no
24 indication in the record one way or the

1 other.

2 Q. Doctor, the records at Parma
3 Community Hospital on November 23rd include
4 a number of measured blood pressures between
5 the time that this lady first came to the
6 hospital --

7 A. Yes, sir, they do.

8 Q. -- and the time she was taken down
9 to the CT scan area.

10 A. Yes.

11 Q. Is there anything about those
12 readings that informs you as to whether or
13 not this lady's blood pressure was low?

14 A. Yeah. Yeah. The blood pressure was
15 low.

16 Q. All right. What about those
17 readings gives you that information?

18 A. Well, when she came into the
19 emergency room, her blood pressure was
20 116/86 at -- I'm a little confused by the
21 writing whether it was 7:10 or 7:18 that
22 they took it. She was booked in at 7:15, so
23 let's not quibble. A little after 7:00 her
24 blood pressure was listed as 116/86, flat on

1 the gurney; 104/82 upright. So that's
2 referred to as a tilt test. It's also an
3 indication as to whether or not you have
4 enough circulating, what we call, blood
5 volume, and part of what makes up blood
6 volume are your blood cells. The rest of it
7 is fluid.

8 Now, later, at the CT scanner, she
9 had a blood pressure of 100/50, and that was
10 taken sometime between 9:05 and 9:15.
11 100/50 is decidedly low.

12 How low is low? Well, if her normal
13 blood pressure would have been normal,
14 120/80, that wouldn't have been too low, but
15 we know from her previous hospitalizations
16 that she did have some element of high blood
17 pressure, so for her, this was lower than it
18 should be.

19 Q. Without regard to the information
20 from the prior hospitalizations of April 27
21 and April 30, was it possible for Dr. Gordon
22 to make the determination that this lady was
23 suffering from low blood pressure?

24 A. Well, again, it's a question of

1 what -- you know, how low is low. One of
2 the definitions of shock is that the blood
3 pressure has to drop by at least 30
4 millimeters of mercury. And looking at this
5 prospectively -- you know, in retrospect,
6 it's easy to say that yeah, she had a high
7 blood pressure, and when she came in, it was
8 low.

9 But let's look at it in Dr. Gordon's
10 perspective. He sees a lady that's sick,
11 and he takes these -- looks at these blood
12 pressures, and, you know, 116/86, that's
13 normal. That's normal for a nonhypertensive
14 person. So could you fault this man for not
15 considering that -- that this was a decent
16 blood pressure? The answer is no, of course
17 not. You can't fault him for that.

18 Even with the drop in the tilt, now,
19 she went from 116 to 104, from 86 to 82.
20 Significant? Yeah. Wildly out of line?
21 No. That's not that much of a drop. Just
22 the raw pressure itself, 104/82. 82 -- 82,
23 the diastolic pressure is normal if you are
24 dealing with a, you know, nonhypertensive

1 person.

2 I don't know whether the doctor
3 asked her about her past history and asked
4 her -- I'm assuming he did; a very thorough
5 write-up in here in the record.

6 I don't have any criticism of
7 Dr. Gordon in his examination, but once
8 again, the record does not reflect whether
9 or not the issue of her previous
10 hypertension was even brought up.

11 Normally speaking, you say, you
12 know, what other illnesses do you have?
13 Well, the only one that was listed was
14 endometriosis. And I think Dr. --
15 obviously, he was asking the questions, but
16 whether that specific issue of the blood
17 pressure was discussed, we'll never know.

18 Endometriosis, if you remember, is
19 how she described the pain. She said that
20 the pain that I have is similar to the
21 endometriosis that I used to have. So she
22 was focused on that. Whether she
23 volunteered if she had any hypertension or
24 not, it's not reflected in the record.

1 Q. Assuming that a request for
2 records -- prior records has been made, is
3 it there any standard of care in the
4 industry, Doctor, as to how long it should
5 take a hospital record room to come up with
6 prior hospital records?

7 A. Well, I don't -- I'm not an expert
8 on medical rooms' standard of care. They
9 don't call them record rooms anymore. Now
10 they're called information centers, but
11 whatever you call them, in general, you can
12 expect to have records in, say, an hour.

13 I don't know what Parma General's
14 employment situation is, whether they have
15 somebody in the record room at nighttime.
16 You know, somebody gets a request for
17 records at 7:15 in the morning, is there
18 anybody even there to look it up at 7:15 in
19 the morning? I don't know. I don't know.

20 At our hospitals, if -- you know, if
21 you need the records right now, there's --
22 you know, if you have a life-threatening
23 emergency or something, apparently there's a
24 way to get them. I don't know what that is

1 because I've never had to go over and get
2 them myself. But --

3 Q. Was this a life-threatening
4 emergency as it presented to Dr. Gordon that
5 morning?

6 A. No, sir; it was not. No. I think
7 this whole -- what Dr. Gordon was presented
8 with was a very ill person, but he had no
9 reason to believe in his examination at 8:00
10 in the morning that this was a
11 life-threatening emergency. It's a far cry
12 from a gunshot wound to the abdomen or a
13 ruptured aortic aneurysm or a myocardial
14 infarction. No; that's not in the cards.

15 This woman was sick, yes. There's
16 no question that she was sick. But, again,
17 looking at this prospectively, I don't
18 believe that he had any reason to believe
19 that this was life-threatening at that
20 point.

21 But let's just say for the sake of
22 argument he put in a record request and the
23 records came back whenever they did, an hour
24 later. I don't consider that to be

1 unusually -- an unusual delay.

2 Q. Doctor, the records suggest that
3 following his physical examination of the
4 patient, Dr. Gordon requested a number of
5 lab studies and other tests be done.

6 A. Yeah, he did. He ordered a bunch of
7 stuff, which -- which is what -- what you
8 would expect him to do.

9 Q. Were the tests as ordered, in your
10 opinion, to a reasonable degree of medical
11 probability, appropriate tests to request?

12 A. Oh, sure. Sure. He ordered
13 chemistries, he ordered a blood count, he
14 ordered a urine -- well, there's two urine
15 tests. I'm convinced there was only one
16 urine, but there were two tests on it. One
17 is where they just dip a dip stick into and
18 look at the color indicators; the other is
19 where they take it to the lab and actually
20 spin it down and look to see if there's
21 any -- to get a little more accurate
22 assessment of it.

23 He also ordered some liver enzymes
24 and the amylase and lipase that we mentioned

1 earlier. He ordered a chest x-ray, and he
2 ordered a CT scan of the abdomen.

3 Q. You've looked at both the
4 interpretations of the chest x-ray and the
5 CT scan, I take it?

6 A. Yes, sir.

7 Q. Was it appropriate, in your view,
8 for Dr. Gordon to have requested a chest
9 x-ray and a CT scan?

10 A. Sure. Sure, it was. Because a
11 chest x-ray is part of the examination of
12 abdominal pain.

13 This woman comes in with severe
14 abdominal pain. Do we want to call it acute
15 abdomen? You can call it anything you want,
16 but what you do is you get x-rays of the
17 abdomen and the chest, because you're
18 looking for free air under the diaphragm on
19 a chest x-ray. That's the best way to look
20 for a perforated viscous.

21 Q. What about the CT scan?

22 A. Oh, sure. He felt a palpable mass.
23 He felt a palpable mass. I mean, that,
24 right off the bat, you have to know what

1 that mass entails.

2 Now, the doctor, on the basis of his
3 examination, he believed that this woman had
4 a tender abdomen with a palpable mass, but
5 he did not have generalized peritonitis.
6 There was no guarding, there was no rebound
7 tenderness. And as a surgeon of 34 years, I
8 can tell you that the key indicators of
9 generalized peritonitis is whether or not
10 you have guarding, rigidity and rebound
11 tenderness.

12 Rebound tenderness is a specific
13 examination that tells you that that person
14 **is** not only tender, but when you let **go**, the
15 percussion of the lining of the abdomen
16 against itself elicits more pain. Another
17 way you can do it is what we call
18 percussion, by just tapping on the belly
19 like this. (Indicates.) If that person
20 complains when you do that, that's rebound
21 tenderness.

22 But the fact of the matter is, he
23 did not have that. And based on the autopsy
24 findings, that's not surprising, because she

1 did not have generalized peritonitis, but
2 she had a palpable mass.

3 Now, why -- why the difference? If
4 she would have had generalized peritonitis
5 plus an abdominal mass, that represents more
6 of a surgical emergency than nonperitonitis
7 with a palpable mass. And he did the very
8 thing that all of us would have done under
9 those circumstances. I can tell you 100
10 percent, if I would have been at Parma
11 General walking around, making my rounds,
12 and he would have called me and said, I need
13 a consultation; I've got a woman with a
14 palpable mass in the left lower quadrant,
15 one of my first reactions would be, get a
16 CAT scan, because we have to know where the
17 heck this thing is located, what's it doing,
18 is there fluid, is this in the colon, is it
19 in the ovary, is it -- what the heck is it.

20 I mean, the differential on a
21 abdominal mass, I mean, we could be here
22 till 9:00 tonight talking about a
23 differential, on what it could be, but the
24 test that you use, you know, the gold

1 standard, if you want to call it that, is a
2 CT scan, and he ordered that, and that's
3 appropriate.

4 Now, it didn't get done right away
5 because of some delays, delays because the
6 lady had to go to the bathroom a couple of
7 times because she had loose bowel movements.

8 Q. Also, Doctor, there's a notation on
9 one of the pages of the nurse's notes. At
10 8:10 it says one cup oral contrast was
11 given.

12 A. Right.

13 Q. Do you know how long after oral
14 contrast is given to a patient before one
15 normally attempts to do a CT scan?

16 A. Generally, about half an hour.

17 Q. All right. Does the record tell us
18 anything about what else was going on in the
19 CT scan department that morning in terms of
20 what other cases may have been in line
21 before this lady?

22 A. No.

23 Q. Was the CT scan ordered on an
24 emergent basis; that is, put this ahead of

1 all other cases?

2 A. I don't remember seeing anything
3 that said emergent, but, clearly, they did
4 it right away. I mean, you know, he ordered
5 the thing at 8:00, and she was over there at
6 9:00, so that's pretty good. I mean,
7 considering travel time and so forth, that's
8 pretty good.

9 Q. Okay. In this case, Doctor, you
10 believe that her death was caused by adult
11 respiratory distress syndrome?

12 A. Yes, sir.

13 Q. But she was dead by 9:55 in the
14 morning.

15 A. Yeah.

16 Q. Does adult respiratory distress
17 syndrome produce death that rapidly?

18 A. Well, it depends on what mediators
19 you have and it depends on the scope of the
20 disease. This woman complained of two days'
21 worth of pain. She had lots of necrosis on
22 her autopsy.

23 Q. What is necrosis?

24 A. Dead tissue. She -- what happens

1 with these tumors is -- and there's some
2 tremendous research that was done by one of
3 our boys here in Columbus. He's at the
4 Harvard Mass General; his name is Fulpin.
5 But Judah Fulpin has shown that in tumors,
6 the blood supply to a tumor is such that
7 tumor cells only can grow six layers away
8 from its blood supply.

9 And what happens with -- and this is
10 fairly characteristic of colon cancer -- it
11 grows like a cauliflower, and then the
12 center part actually goes rotten because it
13 gets too far away from its blood supply. It
14 just literally dies, because it doesn't have
15 any blood supply.

16 The periphery of the tumor keeps
17 growing and, so, the center part is where
18 the hole came from. This is where it
19 penetrated the bowel initially, but then the
20 tumor kept growing.

21 What's interesting about this tumor
22 is it was an eight-centimeter tumor, but
23 four centimeters, or nearly two inches of
24 this tumor, was already outside of the

1 bowel. So it tells us that, number one,
2 this thing has been there for a while. But
3 that's an awful lot of growth outside of the
4 bowel already.

5 Okay. The -- you'll have to excuse
6 me. I lost my train of thought.

7 Q. I asked whether it was possible for
8 adult respiratory distress syndrome to
9 produce death this quickly.

10 A. Yeah. Oh, sure. Okay. Of the
11 various mediators -- let me go back to the
12 beginning.

13 Adult respiratory distress syndrome
14 usually takes a couple of days to develop.
15 She had symptoms for a couple of days. She
16 had tumor necrosis for more than a couple of
17 days. I mean, this is just the way -- it
18 has to have been there for a long time,
19 because that's how you get the hole in the
20 bowel. You have to rot your way through the
21 bowel in the first place.

22 But in the second place, she had
23 this two inches of tumor outside, so she's
24 been rotting away at this tumor for quite

1 some time. The necrosis here did not
2 completely occur during the last hours of
3 her death, although there were symptoms
4 there where she is writhing around on the
5 gurney. That tells me that she was having
6 further necrosis, because the type of pain
7 she was experiencing there is very
8 characteristic of what we call ischemic pain
9 or the pain of an organ where it lacks blood
10 supply, such as with a heart attack, such as
11 with an occluded blood vessel to the leg,
12 such as where you have with infarcted bowel.

13 But getting back to the ARDS, so
14 she's had this for a couple of days. How
15 long has this sepsis been going on? Long
16 enough to cause changes in the lungs. Right
17 from the very get-go, the very first chest
18 x-ray is indicative of coarse interstitial
19 pattern in the lungs, particularly in the
20 lower lungs; in fact, he says, I can't rule
21 out a viral pneumonia. So there's
22 significant changes in the lungs.

23 The doctor did not hear very much on
24 his initial examination. Later on they

1 described some stuff in the lungs, but what
2 I'm getting at is, that there are some tumor
3 mediators. There are -- tumor necrosis
4 factor is one. There's another factor
5 called C5A. C5A has a -- is an interesting
6 little protein that is produced under
7 sepsis, and if you inject that into
8 somebody, they go into allergic shock. It's
9 got a fancy name, anaphylatoxin or
10 anaphylactic toxin.

11 Anaphylaxis is the collapse that you
12 go through with severe allergic reactions.
13 The guy that has the bee stings many, many
14 times, then a bee stings him and he drops
15 over, that's anaphylaxis.

16 Okay. So we know from the
17 literature -- and by the way, I have
18 presented -- I have a bibliography for you
19 folks. But there's a couple of articles in
20 there on ARDS which is fully supportive of
21 what this woman is -- went through.

22 The initial phase, hours; secondary
23 phase, couple of days; third and fourth
24 phase, it depends largely on whether or not

1 you survive and whether or not it's
2 adequately treated.

3 By the time you get into the fourth
4 phase of ARDS, your chances of survival are
5 next to none. In my opinion, this woman was
6 in definite phase two, possibly even early
7 phase three of ARDS. But it's not proper --

8 Q. At what point in time?

9 A. Excuse me?

10 Q. At what point in time?

11 A. At the time that she was -- well,
12 between 8:00 and 9:00 in the morning. I
13 mean, the lady came in; she's talking, she
14 is able to give a history, she is able to
15 lie still for a, you know, a pelvic and a
16 rectal and get all that stuff done.

17 Then she starts getting a little
18 sicker. She starts writhing around in pain
19 on the cart. She has a couple of loose
20 stools that she has to go to be bathroom
21 for. I don't know if you need the exact
22 times, but I got them here. 8:40, she went
23 and had a stool, and 8:55, so that goes to
24 what she went to the CAT scanner. Because

1 Most people can't go to the <AM scanner if
2 they're in the >athroom

3 So let's put the issue of the <AM
4 scan to >eod the CAM scan was done
5 appropriately and it was done quickly

6 Now, this woman, first has, on the
7 record -- the first -- the first indication
8 that she has real problems with her lungs is
9 not until she's in the <AM scan room, and
10 precisely it's listed at 9:05 She
11 complained of shortness of breath

12 She used a pulse oximeter. That's
13 a little device that's put on your finger or
14 your ear to indicate how much oxygen
15 saturation you have Her's was 97 percent.

16 which is normal But they still gave her
17 three liters of oxygen in order to support
18 some treatment for her shortness of breath

19 The oxygen was maintained. But she
20 then basically went into -- started getting
21 into some real problems. started getting
22 sweaty, the blood pressure was listed as
23 100/50, which we all now agree was a little
24 low. It was low. Pulse was 137

1 respiration ratels 32.

2 Now, let's go back to when she first
3 came into the emergency room. Trying to
4 find her respiration rate, and I don't see
5 it.

6 Q. Doctor, on --

7 A. Here it is, 13.

8 Q. It's 18, I believe, Doctor. You're
9 looking at the line that says 7-18?

10 A. Yeah.

11 Q. Yeah.

12 A. Is that it?

13 Q. I believe it's 18?

14 A. Let's make it 18. 18 is still not
15 bad. It's either 13 or 18, but if you tell
16 me it's 18, it's 18. 18 is still a heck of
17 a lot better than 32, so --

18 Q. And you see the reading at 8:45, it
19 says 24?

20 A. Okay. Well, what we're witnessing
21 here is the deterioration of this woman, and
22 she -- and you have to ask yourself, what in
23 the blue heaven could possibly cause this
24 woman to go off the end of the earth in such

1 a short period of time? Because, basically,
2 this woman died within about an hour and a
3 half.

4 I mean, when you take -- if you take
5 from the time Gordon does his exam at 8:00,
6 she's in full arrest at 9:30, and they
7 couldn't get her back. So the time of death
8 is 9:55, but she was basically dying or dead
9 at 9:30.

10 What on earth could cause such a --
11 you know, how do you drop off the end of the
12 earth that quickly? Well, dehydration alone
13 won't do that to you. Sepsis will;
14 pulmonary edema will. If you can't breathe,
15 you can't get oxygen. If you can't get
16 oxygen, all your organs start starving, and
17 you literally start going down the slippery
18 slope.

19 The autopsy is very clear about
20 that. Her lungs were noncrepitant --
21 noncrepitant.

22 Q. What does that mean?

23 A. Well, the lungs are filled with air,
24 and it's like -- let's see, what's the best

1 way of describing crepitus? It's like when
2 you have -- when you squeeze crepe paper.
3 It has that sort of crackly feel to it; it's
4 soft but it sort of crackles a little bit.
5 Well, those are the little air sacs when you
6 squeeze the lung. When it's full of fluid,
7 there's no air; therefore, nothing ruptures
8 when you squeeze the lung to make it feel or
9 sound like the crepe paper.

10 The lungs were noncrepitant, and the
11 reason they were noncrepitant was because of
12 what you see on the x-ray, what you see on
13 the CAT scan interpretation of the lungs,
14 and this that there's infiltrates. There's
15 fluid there.

16 The fluid was there before she went
17 into the shock. I mean, the shock meaning
18 the last 15 minutes of her life. She -- the
19 fluid was definitely in the lungs before, so
20 we can't say that this was something that
21 happened as a terminal event; it takes time
22 to have pulmonary edema. And I got to
23 remind you that they find fibrin in the
24 lung, which tells me that it's been like

1 that for some time.

2 You don't get fibrin in the lung
3 from ARDS within a matter of an hour, no.
4 It's been there for a while.

5 Q. Doctor, do you have an opinion based
6 upon an opinion of medical probability
7 whether or not any of the nursing staff from
8 the Parma General Hospital departed from
9 accepted standards of care in their
10 treatment of Patient Rita Karaba?

11 A. I don't think they did. I think
12 there's one area of dispute here that I read
13 in the record; I read in the depositions.
14 IV fluids were ordered at 150 an hour, and
15 somebody wrote down, "Administered at 100 an
16 hour." Was that a clerical error or did she
17 actually goof it up?

18 Well, the question is, what would be
19 the more reasonable thing to believe? The
20 more reasonable thing to believe is that if
21 you see an order at 150 an hour, you try to
22 make it 150 an hour. I don't know of any
23 nurses that take an order of mine and say, I
24 don't think I'm going to agree with this,

1 I'm only going to give two-thirds of that.

2 Q. By the way, is that order timed,
3 Doctor, the order for the IV?

4 A. Hang on a second here. Sure it is,
5 but you're going to have to help me find it.
6 Hang on a second.

7 Started by Kathy Schaefer, and I
8 suppose --

9 Q. Her notations are timed, but is the
10 doctor's order; would that be timed?

11 A. Oh, the doctor's order is -- my
12 impression was that all that stuff was
13 ordered at 8:00.

14 Q. Okay. You will note --

15 A. 7:45 --

16 Q. Yes, in nurse's notes.

17 A. -- in nurse's notes, it says, "Left
18 hand number 18 saline lock. 8:10, D-5,
19 normal saline, 100 per hour, by Kathy
20 Schaefer." And Kathy Schaefer took off the
21 150 an hour over here.

22 Q. All right. Now, if she began the
23 insertion of that saline lock at 7:45 --

24 A. Right.

1 Q. -- then that would have been done
2 even before the doctor ordered any infusion
3 of fluids, correct?

4 A. Right.

5 Q. Okay. Is it -- but how does the
6 number 18 catheter compare in size to
7 catheters generally for the infusion of
8 fluids?

9 A. Well, you know, needles vary
10 anywhere from 27 gauge, which is like a
11 filament of hair almost -- plastic surgeons
12 like to use those because that's part of
13 their mystique -- all the way up to -- you
14 can -- you know, you can have needles that
15 are up to 16 gauge. They make them bigger
16 than that, but none of us use any bigger
17 than that.

18 18 is a good-sized needle. With an
19 18-gauge needle, you can administer a lot of
20 fluid -- a lot of fluid. Especially if you
21 put up a pressure pump or something, you can
22 get a lot of fluid through an 18 needle, if
23 you need it.

24 Q. There has been testimony in this

1 case, Doctor, from experts for the
2 plaintiff, that the plaintiff was suffering
3 from hypovolemic shock. Do you have an
4 opinion on the subject of whether or not
5 Rita Karaba suffered from hypovolemic shock,
6 to a reasonable agree of medical
7 probability?

8 A. I think they're half right. I think
9 they're half right. I do agree that this
10 woman was dehydrated, that she was, in a
11 word, hypovolemic, but I don't think that
12 her degree of hypovolemia would have put her
13 into a shocked state absent the infection.

14 I mean, to me, it's crystal clear
15 that the sepsis had more to do with this
16 than the mere fact that she was dehydrated.

17 Q. And what produced the sepsis?

18 A. Her perforated cancer of the colon
19 and its necrosis; the perforation, the
20 localized peritonitis, which has been
21 there -- well, if you listen to her, the
22 terminal event on this thing was going on
23 for a couple of days.

24 We know from the fact that she had

1 80-percent growth around the circumference
2 of the colon that that tumor had to have
3 been there for a minimum of a year, a year
4 and a half.

5 She had an ultrasound done on one of
6 her previous hospitalizations, which didn't
7 really show much in the pelvis. Maybe they
8 didn't scan the area; on the other hand,
9 maybe it just wasn't that visible. But we
10 know from the natural history of colon
11 cancer that it takes about six months to
12 fill one quadrant.

13 So this thing was there for at least
14 a year. We know that the thing was
15 penetrated outside of the bowel quite a
16 ways. But I think that somewhere along the
17 line -- and what's telling us what's
18 happening here is the fact that she's
19 writhing around in pain on that gurney.
20 This thing is rotting right in front of our
21 eyes. This thing is -- this tumor is
22 literally disintegrating right in front of
23 our eyes, and part of that disintegration
24 took place prior to the time she came to the

1 emergency room, a goodly part of it did.

2 On the CAT scan, there was a little
3 bit of fluid back there. It was described
4 as being roughly two inches by two inches,
5 which is not a lot of fluid. The doctor
6 made the conclusion that this might be
7 perforated diverticulitis, a very reasonable
8 conclusion under these circumstances.

9 Well, it doesn't matter a damn why
10 you're perforated. Once you're perforated,
11 you're perforated. And that -- it's no
12 longer the bowel that takes first precedent
13 here; it's the effect of the perforation,
14 the sepsis.

15 There is some question as to whether
16 or not, you know, this woman should have had
17 immediate surgery. There's no way in hell
18 that anybody would have operated on this
19 woman right from the emergency room. I can
20 tell you, within reasonable medical
21 certainty, a surgeon would have gone down
22 and the first thing the surgeon would have
23 wanted was a CAT scan to try to delineate
24 the problem. And the next thing he would do

1 was put this person into the hospital, into
2 intensive care or surgical intensive care, a
3 critical care unit of some sort, and get
4 her, what we call, tuned up, you know.

5 Now, she could have had ten surgeons
6 standing around her bedside and she would
7 not have survived this under these
8 circumstances. The thing about it is that a
9 goodly number of these people die; not
10 everybody survives. And under the
11 circumstances of Rita Karaba, you could have
12 had every surgical professor in the country
13 standing there, and they would not have
14 saved this woman.

15 MR. KELLEY: Objection.

16 Q. Doctor, subsequent to my receipt of
17 your opinion letter on February 21 --

18 A. Right.

19 Q. -- following your review of the
20 materials I had given you, did I request
21 your opinion as to the likelihood that
22 Rita Karaba would have survived surgery to
23 remove that perforating colon tumor, had
24 such surgery been initiated within a

1 reasonable period of time after its
2 detection?

3 A. Let's define --

4 MR. GUION: Objection to this, and
5 let me put on the record a continuing
6 objection to any reference from this point
7 on regarding the cancer.

8 Q. Go ahead, Doctor. Go ahead, Doctor.

9 A. Again, it depends on what you
10 consider a reasonable period of time. A
11 reasonable period of time of a person who
12 has a perforation, a localized perforation
13 of the colon, who is -- who has some
14 dehydration, who has to have some antibiotic
15 coverage; in fact, some bowel prep for the
16 colon, a reasonable period of time on this
17 would be 24 to 48 hours.

18 It would be unreasonable to go
19 directly from the emergency room to the
20 operating room, which we do when we have a
21 life-threatening emergency.

22 I was a trauma surgeon for 13 years.
23 We took gunshot wounds to the abdomen
24 directly to the operating room. We took

1 stab wounds of the abdomen directly to the
2 operating room. Where you can get a CT scan
3 in a nonlife-threatening situation, you have
4 immense amount of information that you need.

5 The way this lady presented, and her
6 problems up until about 9:00, were not life
7 threatening at that point, When she started
8 to go down was -- the first indicator was
9 the shortness of breath at 9:05, and all of
10 a sudden, my goodness, within 25 minutes,
11 she's arrested. And this is not some feeble
12 person who's got myocardial disease, that's
13 heart disease, or anything like that. This
14 is a fairly robust, fairly healthy woman.

15 That's -- you know, in the
16 Air Force, they say crash and burn. I mean,
17 this is -- this is absolutely astounding
18 that somebody goes down this quickly. And
19 you can't go down that quickly on the usual
20 slow processes. Dehydration is not a real
21 rapid process, but allergic reactions,
22 abnormal things like ARDS, those can be
23 fast.

24 Q. Did I also request, Doctor, whether

1 you had an opinion as to the life expectancy
2 of Rita Karaba had she survived abdominal
3 surgery to remove colon cancer following its
4 detection?

5 A. Sure.

6 MR. GUION: Again, let me first
7 state a continuing objection to anything
8 having to do with an answer here that is in
9 reference to the cancer.

10 A. Can I answer?

11 Q. You may respond. Do you have an
12 opinion, first?

13 A. Yeah; I sure do.

14 Q. And what is the opinion?

15 A. Well, her life expectancy would have
16 been quite low. First of all, with
17 perforated cancers, the mortality rate is
18 somewhere around 20 to 25 percent just from
19 the operation. That's standard in the
20 literature. And I have references here
21 for -- for -- that deal with that.

22 Secondly, the two factors that are
23 most important in the longtime survival of
24 colon cancer is the depth of the tumor and

1 whether or not lymph nodes were involved

2 the more shallow the tumor, that is

3 to say, if it is just restricted to the

4 lining, if it's just to the lining itself,

5 the inside lining of the bowel, you can

6 expect over 90-percent survival

7 Once it's through the outer surface,

8 the overall survival rate of penetrated

9 tumors is only about 25 percent

10 Q What do the records in this case

11 tell us about the degree of penetration of

12 Rita Karapa's tumor?

13 A. Trier's corroborates penetration. The

14 colon, on the autopsy, is described -- it

15 says, 'On opening the colon, there is a

16 fungating, necrotic, gray, pink, and tan

17 solid mass, mucosal mass, involving

18 approximately 80 percent of the

19 circumference, measuring 10 by 8

20 centimeters, and extends intraluminally

21 approximately 4 centimeters

22 Then it says, 'The mass appears to

23 extend through the underlying bowel wall

24 The pericolic tissue is dull, fibrotic.

1 pink-red." And listen to this, "The
2 pericolic fibrosis involves the left
3 uterine adnexa and the dome of the urinary
4 bladder.

5 So this thing has not only
6 penetrated, but it's stuck to the bladder
7 and the neighboring tissues. All right.
8 so --

9 Q. What's the significance of that?

10 A. Well, two things. First of all,
11 just the raw statistics. Just the raw
12 statistics. The raw statistics on colon
13 cancer haven't changed for decades. Out of
14 every 100 people that have colon cancer, 25
15 of them will have distant involvement in the
16 liver and so-called distant metastases,
17 making them unresectable for cure.

18 Of the 75 percent that are
19 resectable for cure, approximately one-third
20 of them will be penetrated with nodes; about
21 half will be confined to the bowel wall
22 itself. So if you can resect for cure, that
23 is to say --

24 Q. What does that term mean?

1 A. Well, that means that you're
2 removing the tumor and you have -- you
are -- are doing this with the idea that
4 you're extirpating all of the disease as
5 opposed to leaving some behind, which is
6 called palliative; or where it's spread
7 elsewhere, which is what we call metastatic.

8 Now, that has to do with all tumors,
9 all comers. The depth of the tumor in this
10 thing, in my opinion, within reasonable
11 medical certainty --

12 MR. GUION: Objection, again.

13 Q. Go ahead, Doctor.

14 A. -- is that there must have been
15 lymph nodes involved.

16 You see, Claude Welsh, who is one of
17 the great authors of Massachusetts General,
18 pointed out that even if the nodes aren't
19 involved, if it's already stuck to its
20 neighbors like this one was, the -- the
21 survival rate on this thing is no more than
22 40 percent, but if the nodes are involved
23 and you have a free perforation, the
24 survival rate on perforated cancers of the

1 colon can be as little as 7 percent.

2 So where do you go in the middle?

3 Well, the literature -- and one of these
4 papers has a summary of the literature. The
5 literature will tell you that the --

6 MR. GUION: Objection.

7 A. -- survival rate is around 25
8 percent. The autopsy did not describe any
9 lymph nodes. It would have been helpful to
10 know that, but in my opinion, within
11 reasonable medical certainty, the extent of
12 this tumor is such that the lymph nodes had
13 to have been involved.

14 Q. So if I understand you correctly,
15 Doctor, then, had the person who performed
16 the autopsy removed the lymph nodes and put
17 the tissue under microscope and found
18 cancerous tissue there --

19 A. Right.

20 Q. -- that would be proof positive that
21 the lymph nodes were involved, correct?

22 A. Sure.

23 Q. But in the absence of having that --

24 A. Right.

1 Q. -- you still are able to form an
2 opinion to a reasonable degree of medical
3 probability that those lymph nodes would
4 have been involved in this case?

5 MR. GUION: Objection.

6 A. Sure. But there are two reasons why
7 I'm basing my opinion on that. Number one
8 is that the extent of the tumor, my
9 experience tells me that lymph nodes would
10 be involved, but -- but, even if we accept
11 the premise that the lymph nodes weren't
12 involved, under the modification of the
13 Aston Collier classification of colon
14 cancers, Dr. Welsh would have called this a
15 B-3, which is -- which is into its
16 neighbors.

17 And even if it's into the neighbors,
18 without lymph nodes, you still have a --
19 more likely than not, you're not going to
20 survive five years.

21 Q. Do you have an opinion, Doctor,
22 based on a reasonable degree of medical
23 probability, as to whether there was
24 anything that could or should have been done

1 for Rita Karaba at Parma Community General
2 Hospital on the morning of November 23rd
3 that would have prevented her death?

4 A. No. No. I think this woman was
5 doomed from the get-go because of her
6 disease.

7 MR. ROBERTSON: Thank you. I have
8 no more questions.

9 MR. KELLEY: Can we take a break
10 before you start?

11 MR. GUION: Yeah. Let's take a
12 break. I'd like to look at those records.

13 THE VIDEOGRAPHER: Off the record at
14 11:12 a.m.

15 (Pause in proceedings.)

16 THE VIDEOGRAPHER: On the record at
17 11:17.

18 EXAMINATION

19 BY MR. GUION:

20 Q. Doctor, my name is Harry Guion. We
21 met just briefly a little while ago.

22 A. Sure.

23 Q. And I've got some questions. First
24 thing I'd like to do, Doctor, is just go

1 over some general concepts, and I just need
2 a yes-or-no, agree-or-disagree type of
3 answer, okay?

4 A. Okay.

5 Q. Is that fair?

6 A. If I can.

7 Q. Yeah. If you can't, you can say,
8 obviously, you don't understand the question
9 or you can tell me you can't answer it.
10 Fair enough?

11 A. Sure.

12 Q. Dehydration would be a loss of body
13 water; is that true?

14 A. Yes, sir.

15 Q. Dehydration can lead to shock; is
16 that correct?

17 A. Yes, sir.

18 Q. Okay. Patients with severe
19 dehydration will have intravascular
20 hypovolemia?

21 A. Yes, sir.

22 Q. Okay. I'll just mark this out here.
23 Patients may experience an increase
24 in hematocrit as they develop shock?

1 A. Are we talking about hypovolemic
2 shock?

3 Q. Yes.

4 A. Yes.

5 Q. The response to a substantial blood
6 loss is hypotension?

7 A. Sure.

8 Q. Patients with severe hypovolemia are
9 often pale and diaphoretic?

10 A. Can be.

11 Q. Patients with a 20-to-40 percent
12 blood volume deficit are at risk for death
13 if their intravascular volume remains
14 unrestored?

15 A. Of course.

16 Q. Despite many reports that
17 tachycardia is a reliable indicator of the
18 presence and severity of shock, hypovolemic
19 shock, the pulse rate has been consistently
20 found to be neither sensitive nor specific
21 for differentiating injured patients in
22 shock from those not in shock; true or not
23 true?

24 A. I don't believe that. There's a lot

1 of gray area in that answer.

2 Q. An increment of more than 30 beats
3 per minute in hypovolemic individuals after
4 postural changes is reported to be a
5 sensitive indicator of hyper -- hypovolemia?

6 A. Can be.

7 Q. Septic shock is the most serious
8 clinical problem and exists if a patient has
9 severe sepsis, is resuscitated appropriately
10 with intravenous fluid infusion and remains
11 in shock; do you agree or disagree?

12 A. Yes. Yeah, I agree.

13 Q. The treatment of septic shock begins
14 with prompt treatment of the infection site.
15 Antibiotics play an essential role in
16 treating septic shock. Do you agree or
17 disagree?

18 A. Yes, certainly.

19 Q. The resuscitation of most patients
20 in septic shock begins with the intravenous
21 infusion of isotonic fluid, normal saline or
22 Ringer's lactate, for the purpose of
23 expanding the intravascular volume; is that
24 true?

1 A. True.

2 Q. Enormous volumes of fluids may be
3 required to resuscitate patients in septic
4 shock?

5 A. True.

6 Q. The amount of fluid can exceed 10
7 percent of the body weight, true?

8 A. True.

9 Q. Radiographic contrast agents may
10 cause acute renal failure?

11 A. Sometimes.

12 Q. Generally, patients with abdominal
13 pain requiring surgical treatment experience
14 the pain before vomiting occurs, generally?

15 A. Yes.

16 Q. Peritonitis causes hypovolemia as
17 plasma volume leaves the intravascular
18 space?

19 A. Well, of course, it depends on the
20 amount of peritonitis, but --

21 Q. In general?

22 A. -- the answer -- the general --
23 let's start all over again. The answer to
24 that general statement is yes.

1 Q. Okay. Doctor, you have reviewed,
2 among other things, Dr. Braen's deposition,
3 correct?

4 A. Yes, sir.

5 Q. And do you agree that Rita Karaba
6 came into the hospital with fever, number
7 one?

8 A. Yes, sir.

9 Q. Abdominal tenderness?

10 A. Yes, sir.

11 Q. Hypotensive?

12 A. It turns out that way.

13 Q. Evidence of dehydration?

14 A. Yes, sir.

15 Q. And would be in what we call early
16 shock?

17 A. Yes.

18 Q. Dr. Braen has stated that -- in
19 agreement, that the CAT scan was an
20 appropriate diagnostic test, but his caveat
21 is that she first should have been
22 adequately fluid resuscitated. Do you agree
23 or disagree with that statement?

24 A. I disagree.

1 Q. Okay. Her orthostatic pulse went
2 from 92 to 94 up to the 130s, which is
3 almost 44 percent. Is that an indicator of
4 dehydration?

5 A. Yes, sir.

6 Q. Okay. Plus she had vomiting; is
7 that true?

8 A. Yes, sir.

9 Q. Plus a fever; is that true?

10 A, Yes, sir.

11 Q. Are those also indications for
12 dehydration?

13 A. Not necessarily.

14 Q. Can they be?

15 A. They can be part and parcel of the
16 same complex, yes.

17 Q. And if you put all three together,
18 does that increase the likelihood of a need
19 to consider dehydration as being present in
20 the same patient at the same time?

21 A. Sometimes.

22 Q. Dr. Braen has stated that the
23 standard of care would have been to give --
24 to start with a bolus of 500cc of fluid. Do

1 you agree or disagree with that statement?

2 A. Well, retrospectively, yeah, I would
3 agree.

4 Q. But what you knew at the time --
5 what Dr. Gordon knew at the time, would you
6 still agree or disagree that 500 bolus of cc
7 should have been started based on what
8 Dr. Gordon knew or should have known?

9 A. No. In my opinion, the examination
10 that was done at 8:00 in the morning, even
11 with the postural changes, the amount of
12 fluid that was ordered, 150cc's per hour,
13 which is more than maintenance, was a good
14 start.

15 The doctor had no indication
16 whatsoever at 8:00 in the morning that this
17 person was -- was about to go off the deep
18 end.

19 Q. Okay. Arterial blood gas study was
20 not done; is that correct?

21 A. That's correct.

22 Q. An arterial blood gas study might
23 have provided useful information as to
24 whether or not she was in a shock state; is

1 that true?

2 A. Well --

3 Q. Yes or no.

4 A. Yes. Sure.

5 Q. Would it have helped in this case to
6 know exactly what her pH level was --

7 A. No.

8 Q. -- when she arrived?

9 A. No.

10 Q. Do you agree or disagree that at
11 8:45, Dr. Gordon should have reexamined her
12 at that point and should have done an
13 abdominal exam at 8:45?

14 A. 8:45 was when she was --

15 Q. Writhing in pain.

16 A. -- writhing in pain, with spasmodic
17 pain, which was, in my opinion, was ischemic
18 pain.

19 Q. Yeah. My question is, Doctor, do
20 you agree or disagree with that?

21 A. Optimally, yeah, it would have been
22 a good idea, but I don't think it was
23 necessary.

24 Q. Okay. In terms of specific gravity

1 of the urine, the specific gravity was
2 listed at 1.048 by the lab, right?

3 A. By the laboratory, that's correct.

4 Q. Is that indicative of dehydration?

5 A. Yes, it is.

6 Q. Dr. Braen, in his deposition, has
7 made the statement, "Early in the game his
8 responsibility" -- referring to
9 Dr. Gordon -- "his responsibility was to
10 fluid resuscitate her, and that is where he
11 fell down on his care of this patient."

12 Do you agree or disagree with that
13 statement?

14 MR. ROBERTSON: Objection.

15 A. I disagree.

16 Q. Okay. Dr. Braen has said, "Given
17 the fact that she was in impending shock,
18 she was febrile, she had abdominal pain, she
19 had an abdominal mass, she was dehydrated,
20 that antibiotic therapy should have been
21 considered early on." Do you agree or
22 disagree with that?

23 A. Sure.

24 Q. Dr. Braen has said -- the question

1 was asked: "Do you believe she should have
2 been bolussed with 500ccs?"

3 And his answer was: "Right. She
4 should have been -- she should have been
5 closely monitored. If she didn't improve,
6 she should have been rebolussed as many
7 times as necessary."

8 Do you agree or disagree with that?

9 A. Sure.

10 Q. The one thing that you do know is
11 she should have kept getting fluids until
12 her vitals came up. Do you agree or
13 disagree with that statement?

14 A. Sure; she needed fluid.

15 Q. Dr. Braen has stated that the
16 standard of care required a large-bore IV
17 and he should have been able to get 500cc's
18 into her within 8 to 10 minutes. Do you
19 agree or disagree with that?

20 A. I'm going to disagree that -- that
21 anything larger than an 18-gauge needle was
22 obvious at 8:00 in the morning.

23 Q. Okay. Well, forgetting about the
24 large-bore IV, would you agree that they

1 should have been able to get 500cc's into
2 her within 8 to 10 ten minutes?

3 A. You can do that with an 18-gauge
4 needle.

5 Q. Okay. Which is what she had,
6 18-gauge?

7 A. Yes, sir.

8 Q. Elevated respirations, we talked
9 about that --

10 A. Right.

11 Q. -- and up to 34. That is also
12 consistent -- that is also consistent with
13 increased acid in your system; is it true,
14 consistent?

15 A. Acidosis.

16 Q. Acidosis.

17 A. Can be.

18 Q. It's also consistent with being in
19 shock; isn't that true?

20 A. Of course.

21 Q. Do you agree that pulse rate changes
22 are more sensitive than blood pressure
23 changes?

24 A. Yes, sir.

1 Q. Now, her respiration rate kept
2 increasing. It went from 18 at 7:18, to 24
3 at 8:45, to 32 at 9:05; is that correct?

4 A. That's correct.

5 Q. And does that indicate continuing
6 increasing dehydration?

7 A. No.

8 Q. Can it?

9 A. No. No. What -- what --

10 Q. All right. Yes or no is all I'm
11 asking you, Doctor. If you don't believe it
12 can, then fine.

13 Would you agree with this statement:
14 That the degree of her dehydration was
15 probably fairly great, given her specific
16 gravity on the dip stick originally was
17 1.030, and we know that is as high as the
18 dip stick reading will go.

19 Would you agree that will indicate
20 that?

21 A. Sure.

22 Q. Dehydration can be caused by
23 vomiting, correct?

24 A. Yes.

1 Q. It can be caused by poor fluid
2 intake, correct?

3 A. Yes.

4 Q. And it can be caused by sepsis,
5 correct?

6 A. Yes.

7 Q. And Rita Karaba had all three of
8 those things, correct?

9 A. Yes.

10 Q. Dr. Braen has stated, "This is a
11 patient that needed a large volume -- that
12 needed large volumes of fluid, 500ccs
13 initially, followed by reevaluation,
14 followed by more fluid as required. 100cc's
15 doesn't cut it."

16 Do you agree or disagree with that
17 statement?

18 A. Well, of course, I agree. In
19 retrospect, I agree wholeheartedly.

20 Q. Do you agree that arterial blood gas
21 studies should have been obtained by at
22 least 8:45 a.m.?

23 A. No.

24 Q. Should it have been obtained at all?

1 A. Yeah.

2 Q. Do you believe that the nurses at
3 8:45, when the writhing-in-pain on the cart
4 was taking place, should have notified the
5 doctor of this change in the patient's
6 status?

7 A. Not necessarily.

8 Q. Okay. Would you agree with
9 Dr. Braen that you're not going to send a
10 patient to a CAT scan until you have their
11 blood pressure and pulse stabilized?

12 A. Not necessarily.

13 Q. Dr. Braen has stated that by 8:45
14 Dr. Gordon should have come in, reexamined
15 the patient, and within a few minutes
16 contacted a surgeon.

17 A. No; I don't agree with that.

18 Q. He has said that to keep her afloat
19 she needed two things: She needed IV
20 antibiotics and IV fluid. Do you agree or
21 disagree with that?

22 A. I agree with half of that statement.

23 Q. Which -- do you agree with the
24 antibiotics, or that --

1 A. No. Antibiotics --

2 Q. -- she needed the fluid?

3 A. Antibiotics in an hour period of
4 time would have done nothing.

5 Q. So you agree with the fluid part of
6 the thing?

7 A. Fluid would have helped --

8 Q. Okay.

9 A. -- to some degree.

10 Q. Okay. Dr. Braen has said, "I
11 believe that without the adequate
12 resuscitation, namely the fluid
13 resuscitation, she had a very high chance of
14 going into irreversible shock and dying,
15 which is exactly what happened."

16 Do you agree or disagree with that
17 statement?

18 A. I don't agree with that.

19 Q. Okay. Doctor, do you know when she
20 was given the IV contrast that she was
21 given -- what time it was given?

22 A. The IV contrast?

23 Q. Uh-huh. She was given an IV
24 contrast as well as the -- were you aware of

1 that?

2 A. Well, let's see. I only see a cup
3 of oral contrast given at 8:10.

4 Q. Uh-huh.

5 A. Now, if I'm missing something,
6 I'm -- I would hope that you'd point it out
7 to me.

8 Q. Well, she did receive a IV contrast
9 dye. She received three types of -- three
10 types of -- of contrast. She received the
11 oral, of course; you know that, right?

12 A. Yeah.

13 Q. And she received the rectal; you
14 know that?

15 A. Uh-huh.

16 Q. She also received an IV contrast.
17 You were totally unaware of that up to this
18 moment?

19 A. Yes, sir. I don't see it on the
20 record. I'm sorry.

21 Q. IV contrast can be very dangerous to
22 a patient that's dehydrated; do you agree or
23 disagree with that?

24 A. I disagree.

1 Q. Do you believe that IV contrast is
2 totally nondangerous?

3 A. Oh, no. No. If you have an iodine
4 allergy, it can kill you.

5 Q. What if you're dehydrated?

6 A. Well, certainly, there is an affect
7 on the kidney if you are severely
8 dehydrated -- severely dehydrated.

9 Q. Okay.

10 A. And I suppose that's where we're
11 getting down to here. She had no -- in my
12 opinion, her renal function studies were
13 normal enough, even in the face of this
14 dehydration that she exhibited, that it
15 was --

16 Q. Okay, Doctor, that's fine.

17 A. Well, but --

18 Q. I'm just asking you --

19 A. I'd like to answer the --

20 MR. ROBERTSON: And I object and I
21 request that you let the doctor finish
22 answering the question that you asked.

23 MR. GUION: I asked him only -- you
24 already answered my question.

1 THE WITNESS: All right.

2 MR. KELLEY: In reality, you can
3 move to strike it, thought, but we have to
4 put the answer on the record.

5 MR. GUION: I just don't want it to
6 pile up here.

7 THE WITNESS: Okay. All right.

8 MR. GUION: You'll still have an
9 opportunity to answer anything more in front
10 of the Court when you get to Mr. --

11 A. What was the original question?
12 Because I don't agree, okay?

13 Q. Do you agree, Doctor, that a patient
14 that is in shock will continue to get worse
15 until such time as they are fluid
16 resuscitated?

17 A. Of course.

18 Q. Now, Dr. Booth has stated that
19 Dr. Gordon should have given Rita Karaba at
20 least a liter of fluid in 30 minutes or so
21 as an initial first step. Do you agree or
22 disagree with that?

23 A. I disagree.

24 Q. Do you agree with this statement:

1 If you come into the hospital with a colon
2 perforation and you are in your 40s,
3 agewise, your mortality rate should be 10
4 percent or less?

5 A. No. Absolutely disagree.

6 Q. Dr. Booth has said that the chance
7 of her surviving this operation, Rita Karaba
8 of surviving, would have been at least 90
9 percent survivor rate, and that more likely
10 than not she's going to be in the **ICU** a
11 couple of days, out of the hospital 10 or 12
12 days, depending on what's done.

13 Do you agree or disagree with that
14 assessment?

15 A. I disagree with most of it.

16 Q. What do you agree with?

17 A. I agree that she'd be in the
18 hospital 10 to 12 days.

19 Q. Okay. Doctor, let's take a look at
20 your report.

21 A. Okay.

22 Q. Your report -- your -- actually,
23 you've written two reports, a report and a
24 supplement to the report?

1 A. Yes, sir.

2 Q. Your first report was written
3 February 21st, 2001; is that correct?

4 A. Yeah, but --

5 Q. And take your time to find it.

6 A. That's my problem, where is it?
7 Have you got mine there?

8 Q. No, I don't have yours, I have my
9 own.

10 A. Well, where are mine?

11 Q. I don't have it.

12 MR. ROBERTSON: (Hands.) There's a
13 copy you can use, Doctor.

14 MR. GUION: I gave you back
15 everything that was here. I've got my own
16 copy.

17 THE WITNESS: Let me just look here.

18 MR. ROBERTSON: That's both of them.

19 THE WITNESS: Here we go. Yeah.

20 I've got my original for the supplemental
21 report but I still don't have my original
22 report.

23 MR. GUION: Take your time and find
24 it.

1 MR. ROBERTSON: That is the original
2 there, Doctor, the February 21. That's the
3 supplement. The original is right there,
4 the one you have your right hand on.

5 THE WITNESS: This is a copy.

6 MR. ROBERTSON: I understand that,
7 but --

8 THE WITNESS: So where is my
9 original?

10 MR. GUION: I don't have it.

11 MR. ROBERTSON: I probably stole it.

12 MR. GUION: Off the record.

13 THE VIDEOGRAPHER: Off the record at
14 11:40.

15 (Discussion off the record.)

16 THE VIDEOGRAPHER: On **the** record at
17 11:42.

18 Q. Doctor, I'm going to first refer to
19 your report of February 21st, 2001.

20 A. Yes, sir.

21 Q. Okay. Let's go through it
22 step-by-step.

23 A. Sure.

24 Q. First of all, she had two days of

1 left lower quadrant pain; isn't that
2 correct?

3 A. That's correct.

4 Q. She was vomiting the morning of her
5 admission; is that correct?

6 A. That's correct.

7 Q. Now, you mentioned in your report
8 she had a mild temperature elevation. I'm
9 focusing on this word "mild." At 7:18, her
10 temperature was 101.3, and at 7:30, it was
11 103.7 rectally.

12 Do you consider that to be a mild
13 temperature elevation?

14 A. When she came in, it was 101.3, and
15 that is mild, in my opinion.

16 Q. You consider that mild, 101.3?

17 A. Yes, sir.

18 Q. You have -- consider that to be
19 something -- anything above 101 where you'd
20 want to rule out sepsis; is that correct?

21 A. Sure.

22 Q. Once it goes above 101, you want to
23 rule out sepsis, because sepsis is a concern
24 with a temperature of over 101, correct?

1 A. It depends if you have a fever, but,
2 in general, yes; over 101, you start
3 worrying about infection elements. Yes, of
4 course.

5 Q. You certainly wouldn't use the term
6 "mild" to describe her temperature a few
7 minutes later, when it went to 103.7, would
8 you?

9 A. 103.7 is no longer mild. Yes.

10 Q. And that was only a few minutes
11 afterwards, about 12 minutes later; is that
12 correct?

13 A. Yes.

14 Q. So her temperature climbed quite a
15 bit in that 12-minute period, correct?

16 A. Yes, apparently.

17 Q. Is that significant?

18 A. Maybe it is; maybe it isn't.

19 Q. Would that be something you'd look
20 into further?

21 A. Well, that's the whole point, isn't
22 it?

23 Q. Yeah. Would that be something you
24 would consider possibly being something

1 produced by dehydration?

2 A. You would do exactly what has to be
3 done and what was done in this situation.
4 You do a history, you do a physical, you do
5 a thorough exam, you get some laboratory
6 studies, you get some x-rays, and then you
7 start putting all this together.

8 Q. Okay. That's a fine answer, Doctor.

9 MR. KELLEY: I'm going to object to
10 cutting off the witness.

11 THE WITNESS: Do I get a grade?

12 BY MR. GUION:

13 Q. By the way, you never mentioned the
14 actual temperatures of 101.3 and 103.7 in
15 your report, did you?

16 A. Well, it's a report, after all.
17 It's not regurgitation of the entire chart.

18 Q. But those actual figures were not
19 mentioned, were they?

20 A. No.

21 Q. Okay. You mentioned, going further,
22 that she had a normal blood pressure but
23 elevated pulse while supine.

24 A. Right.

1 Q. And that pulse was 92; is that
2 correct?

3 A. That's correct.

4 Q. But that wasn't mentioned in the
5 report at that point, either, was it?

6 A. No.

7 Q. And a pulse of 132 upright, correct?

8 A. That's correct.

9 Q. **Now**, without putting in the number
10 92, it would be difficult to compare that 92
11 to the 132 upright; is that correct?

12 A. Just have to look at the chart.

13 Q. Okay. And that's an increase of 40
14 beats; is that correct?

15 A. Yes, sir.

16 Q. That's an increase of about 43
17 percent, is that correct, assuming the math
18 is right?

19 A. Doing the math -- sure.

20 Q. And anything more than 30 beats
21 increase after postural changes is a
22 sensitive indicator of hypovolemia; is that
23 correct?

24 A. Can be.

1 Q. She showed an elevated white count
2 of 61,000, of which 54 percent were
3 neutrophils and 28 bands; is that correct?

4 A. That is correct.

5 Q. Okay. And nowhere in your report do
6 you state what those figures actually mean,
7 the 61,000 elevated white count and the 54
8 and the 28. There's nowhere in your report
9 where you've mentioned the significance of
10 that, is there?

11 A. Not in so many words, no, but any
12 doctor can look at this and they'll tell you
13 that that's pretty significant.

14 Q. Okay. And, actually, that means she
15 that -- has a serious infection, doesn't it?

16 A. Yes, sir.

17 Q. It means that with a shift to the
18 left of 82 percent she has a very high
19 percentage of inflammatory cells?

20 A. That's correct.

21 Q. Okay. It means she has an acute
22 abdomen; would that be another way of
23 putting it?

24 A. No. No.

1 Q. But she did have an acute abdomen,
2 didn't she?

3 A. Well, acute abdomen can be
4 interpreted in many different ways. The
5 general interpretation of an acute abdomen
6 is one where you have signs and symptoms of
7 peritonitis. This woman did not have the
8 signs and symptoms of peritonitis. She did
9 not have the rigidity, the distension, the
10 rebound tenderness that I mentioned before;
11 she even had bowel sounds.

12 Q. But earlier --

13 A. So --

14 Q. But earlier in your testimony, on
15 your direct examination, you mentioned that
16 this could -- what she had could be called
17 an acute abdomen, or whatever you call it?

18 A. Yeah. The fact that she's vomiting,
19 the fact that she has got pain, the fact
20 that she's got tenderness. But it depends
21 on where in the spectrum you put her, and
22 there's a difference between somebody that
23 has all of those features that I mentioned
24 before, which would put her more into the --

1 over more toward the life-threatening stage
2 as opposed to the semiurgent stage that she
3 was in.

4 Q. Now, in this particular type
5 patient, would you order a stat CBC; would
6 that be ordered by you?

7 A. Of course.

8 Q. Yeah. Urinalysis?

9 A. Sure.

10 Q. And serum amylase?

11 A. Oh, sure.

12 Q. And those are the three things you
13 would get when you're faced with an acute
14 abdomen; is that correct?

15 A. Right.

16 Q. What would you be looking for in the
17 urinalysis? You'd be looking for going into
18 those high figures again, like the 1030
19 we're talking about and the 1048?

20 A. Well, you know, the one thing about
21 our business is that you run the -- a lot of
22 risk if you take one piece of glass out of a
23 stained glass window and try and make too
24 much of it.

1 Q. Exactly.

2 A. The idea is to put the whole picture
3 together, and then you can appreciate the
4 hands of God.

5 Q. Right. So in this case with Rita
6 what you want to do --

7 A. So what you're looking for is not
8 only specific gravity, which is an indicator
9 of whether or not we have urinary
10 concentration, which she clearly had, but
11 you're also looking for the level of
12 ketones; you're looking for whether or not
13 there is protein loss, which would indicate
14 there's some problem with the kidney and so
15 on. So you're looking for reds cells, white
16 cells. I mean, you're --

17 Q. I understand --

18 A. Yeah. Okay.

19 Q. -- you're looking for more things,
20 Doctor.

21 A. Oh, Sure. You know --

22 Q. Now, the hemoglobin was 16.9, and
23 the hematocrit was 49.9 percent; is that
24 correct?

1 A. That's correct. Uh-huh.

2 Q. This was an increase over her
3 previous reading on April 30th, wasn't it?

4 A. Yes, sir.

5 Q. April 30th, it was 13.3 and 38.6,
6 correct?

7 A. That's correct.

8 Q. That change could also indicate
9 significant dehydration, couldn't it?

10 A. Oh, of course.

11 Q. And, again, nowhere in your report
12 did you state what the 16.9 and the 14 --
13 49.49 meant, did you? You didn't state
14 anywhere in your report what that
15 actually -- the significance of that, did
16 you?

17 A. Well, I did state in my discussion
18 that she was dehydrated.

19 Q. I believe you used the word
20 "mildly," correct?

21 A. Slightly.

22 Q. Or slightly, okay.

23 A. And, you know, I'll correct that to
24 moderately, if you wish, but, you know, as I

1 indicated in my direct testimony, you
2 know --

3 Q. Her CO2 level was -- again, you used
4 the word "slightly" decreased to 19.

5 A. That's right.

6 Q. Now, Dr. Gordon says that this means
7 the patient is displaying acidosis.

8 Do you agree with Dr. Gordon's
9 assessment of that?

10 A. Sure.

11 Q. Now, the BUN was 20, and the --

12 A. Let's call it B-U-N, so we all know
13 what it is.

14 Q. The BUN was 20, and the creatinine
15 was 10, correct?

16 A. That's correct, sir.

17 Q. Now, actually, when you look at
18 those two figures, you can take them
19 separately, but you can also put them as a
20 ratio; is that correct?

21 A. You can.

22 Q. And a ratio for normal is about 10
23 to 1 or 1 to 10, whichever way you want to
24 flip that ratio, correct?

1 A. Sure.

2 Q. And if it goes above that ratio,
3 that's a significant thing to look at
4 depending on how much?

5 A. Again, we're picking out pieces of
6 glass.

7 Q. I understand, but we put these
8 little pieces together and they cost a
9 composite.

10 A. Yes.

11 Q. And that's how you evaluate a
12 patient.

13 A. Right. A BUN of 20 is virtually
14 normal.

15 Q. Okay. But when you put the 20 with
16 the 1.2, that gives us the ratio of 1 to 17,
17 instead of 1 to 10, correct?

18 A. That's not significant enough to --
19 to forget about the rest of the things. It
20 is a fact. It is a piece of information.

21 Q. And what does that piece of
22 information tell you?

23 A. Well, it tells us right now that her
24 kidneys are working pretty well, because her

1 BUN is only 20, and her creatinine is only
2 1.2, which is mildly elevated.

3 Q. So a ratio of 1 to 17, In your
4 opinion?

5 A. I don't go by that. You go by the
6 entire picture.

7 Q. Okay. But in and of itself, that
8 ratio indicates that there's dehydration
9 there, doesn't it?

10 A. Well, on the face of it, sure.
11 Sure.

12 Q. Okay. Okay. An IV was started at
13 7:45 at -- it was ordered, I believe, at
14 7:45, and it was run around 8:00; is that
15 correct? Is that when it began to be run at
16 100cc per hour?

17 A. I read the record to state -- to say
18 that it was started at 7:45.

19 Q. The drip was started at 7:45?

20 A. Well, it says, "7:45, left hand,
21 number 18, saline lock, by Kathy Schaefer."
22 I think that's her signature. There's a
23 second note at 0810, where it indicates at
24 D-5 a normal saline at 100 an hour was

1 initiated by Kathy Schaefer.

2 Q. You make no mention in your report
3 as to your opinion of the amount of fluid
4 resuscitation she received.

5 A. Right.

6 Q. Okay. Now 100cc's, is that the
7 equivalent -- so the jury would understand,
8 is that the equivalent of about three
9 ounces?

10 A. Yeah, a little better than three
11 ounces -- three and one-third ounces.

12 Q. So this is 211-pound woman receiving
13 three ounces of fluid --

14 A. Right.

15 Q. -- over an hour period when she's
16 dehydrated.

17 A. If that's what she got.

18 Q. Uh-huh. In your opinion, is that
19 adequate?

20 A. No.

21 Q. Okay. Dr. Gordon states she
22 received about an hour worth of fluid, so
23 about 3 percent, okay. And you knew she
24 weighed about 210, 211 pounds?

1 A. Yes, sir.

2 Q. That's something you factored in?

3 A. Sure.

4 Q. All right. At 8:00 she's described
5 as again writhing in pain.

6 A. Right.

7 Q. To your knowledge, did the nurse
8 report that to Dr. Gordon, or did she not
9 report that to Dr. Gordon?

10 A. I have no way of knowing from the
11 records. I have no way of knowing whether
12 it was reported or not.

13 Q. At 9:05 she's complaining of
14 shortness of breath, correct?

15 A. That is -- that is correct.

16 Q. She's now in the CAT scan room. She
17 has not actually -- they have not started
18 the CAT scan yet, because we know that
19 starts at approximately around 9:20, is that
20 correct, based on looking at the actual
21 radiographs themselves; 9:18, around that
22 time?

23 A. Around that time, yeah.

24 Q. Okay. So prior to that time she's

1 diaphoretic, which is sweaty, correct, and
2 pale? You left the word "pale" out of your
3 report, but it is in the nurse's notes that
4 she was pale.

5 A. It is in the nurse's notes, you're
6 right.

7 Q. Okay. So she's pale and
8 diaphoretic.

9 A. Hold on a minute, Counselor.

10 Q. Yeah. This is prior to getting the
11 CAT scan?

12 A. Yeah. She was pale.

13 Q. She has a blood pressure of
14 100/50 --

15 A. Right.

16 Q. -- which is low, correct?

17 A. Right.

18 Q. She has a pulse of 136, which is
19 high, correct?

20 A. Correct.

21 Q. She has a respiratory rate of 32,
22 which is very high, correct?

23 A. Yes, sir.

24 Q. And she has difficulty breathing,

1 correct?

2 A. Yes, sir.

3 Q. And with all that going on, the
4 nurse does not contact the doctor, correct,
5 as far as we know?

6 A. As far as -- it's not on the record
7 one way or the other. I have -- I have no
8 idea whether she did or not.

9 Q. In your opinion, should the nurse
10 have contacted the doctor, with Rita in that
11 shape, before she put her into the CAT scan?

12 A. Well, they were' there for the CAT
13 scan, and the CAT scan takes, what, 20
14 minutes?

15 Q. Uh-huh.

16 A. I think, ideally -- ideally, it
17 should have been reported, but I can also
18 understand if it wasn't, simply because of
19 the expediency of getting the study done.

20 Q. So the expediency of getting the
21 study done overrode the safety and the -- as
22 you put it, before the terribly debilitating
23 condition of this patient; you felt -- you
24 feel it was more important that she get a

1 CAT scan than that her life be saved; is
2 that what you're saying?

3 A. No. No. No. That's ridiculous. I
4 never said that.

5 Q. I thought you said earlier she was
6 in a deteriorating state.

7 A. She was deteriorating. From 9:15 on
8 she was deteriorating. There's no question
9 about that.

10 Q. Okay. So in that deteriorating
11 state, you feel that's it's more important
12 that she would get a diagnostic test than
13 that her life would be saved, or attempt to
14 save her life, or that she would see a
15 doctor?

16 A. There's only one way to answer that
17 question.

18 Q. Okay. I'm trying to understand.

19 A. You know the answer to that before
20 you even asked it.

21 Q. I would like to hear the answer.

22 A. Of course, you have to worry about
23 saving her life. Of course, you do. But I
24 can also -- I can also understand,

1 prospectively, when somebody is sitting
2 there and they're saying, gee, this woman is
3 having more difficulty breathing and she's
4 getting a little pale, she's starting to
5 sweat a little bit; do we automatically stop
6 studies? No. Do we automatically cancel
7 studies? No. It depends on what -- you
8 have to take the situation one at a time.

9 A lot of people go to CAT scanners
10 in shock. A lot of people go to CAT
11 scanners sweaty and diophoretic and short of
12 breath and so forth, because one of the
13 reasons why you're in the CAT scanner is to
14 try to figure out what the heck is going on.

15 I mean, what -- one element of this
16 is, what could have been done to save this
17 woman's life?

18 Q. Well, let's not get into that long
19 discussion. I just wanted you to answer my
20 question.

21 You mentioned before that you felt
22 that she was not in a life-threatening
23 situation, correct?

24 A. Absolutely not.

1 Q. The CAT scan could have waited a
2 little longer, couldn't it have?

3 A. Well, it could, sure, but --

4 Q. This woman is in dire straits by all
5 of these vital signs --

6 A. Uh-huh.

7 Q. -- and you feel it is still
8 appropriate to continue on for the next 20
9 minutes putting her through the CAT scan
10 before a doctor is consulted in this case;
11 is that your testimony?

12 A. No. No. Ideally -- ideally, it
13 would be -- it would be preferable to, you
14 know, cancel the study and have it done, but
15 as I mentioned before, that's ideally. I
16 can also understand why they continued doing
17 the study, because it was integral to try to
18 figure out what was going on with her.

19 Q. But it wouldn't do much good, as we
20 know in fact happened, to find out later
21 what was going on with her after she was
22 already dead; is that a logical statement?

23 A. That's one -- one way of looking at
24 it.

1 Q. Another way is, we know, in fact,
2 the CAT scan results didn't come down until
3 after 10:30, and she was already dead over
4 an hour by that time.

5 A. Okay. But a third way of looking at
6 it is, what if? What if they would have
7 cancelled the CAT scan? What if they would
8 have taken her back to the emergency room?
9 What if they would have pounded a bunch of
10 fluids into her? At that point, would it
11 have changed things? In my opinion, no.

12 Q. And the answer is, we will never
13 know the answer to that.

14 A. My opinion is that it would not.

15 Q. That's fine. That's your opinion,
16 then.

17 A. Well, that's what we're here to find
18 out.

19 Q. That's fine, Doctor. That's what
20 we're here for, right.

21 A. Sure.

22 Q. Patients with severe hypovolemia are
23 often pale and diaphoretic, aren't they?

24 A. Sure.

1 Q. By the time they rushed her back to
2 the emergency room, her pulse was now up to
3 170?

4 A. That is correct.

5 Q. And she also had a bowel movement on
6 herself. She became incontinent on the way
7 back; is that correct also?

8 A. Yes. There is -- let me see my
9 notes here real quick. The bowel movement
10 was after she had returned from the CT scan.

11 Q. Yeah. On the way back, right, to
12 the emergency, or back at the emergency
13 room?

14 A. Sir, it's my opinion that she had
15 the bowel movement after she was already in
16 attendance by Dr. Gordon at 9:30.

17 Q. Okay. And she was also gasping for
18 breath, correct?

19 A. Yes, sir.

20 Q. So, in other words, from the time
21 she came out of that CAT scan, she was just
22 constantly going downhill; is that a
23 reasonable statement?

24 A. And I think the record shows that

1 over about a 15-minute period, that's true.

2 Okay.

3 Q. Okay. Let's take -- that takes care
4 of that.

5 THE WITNESS: Could we stop for a
6 moment?

7 MR. GUION: Sure.

8 THE VIDEOGRAPHER: Off the record at
9 12:00.

10 (Pause in proceedings.)

11 THE VIDEOGRAPHER: On the record at
12 12:08.

13 BY MR. GUION:

14 Q. Doctor, a few more questions and I
15 will be finished.

16 A. Sure.

17 Q. Doctor, how many times a year do you
18 give depositions such as this one?

19 A. The last four years, I've been in
20 trial about four times per year, and I've
21 given about 13 to 14 depositions per year.

22 Q. 13 or 14. 13, did you say, or 14
23 depositions per year?

24 A. Yes, sir.

1 Q. And how many times do you represent
2 the plaintiff and the defendant; what's the
3 breakdown there?

4 A. On case reviews, it's about 75
5 percent for plaintiffs and 25 percent for
6 defendants. Of my active files, I've only
7 got about 5 or 6 defendants' cases right
8 now, and as far as those depositions and so
9 forth are concerned, I've only given two or
10 three depositions for defendants in the last
11 couple of years.

12 Q. And how many for plaintiffs?

13 A. The rest.

14 Q. And that would be how many?

15 A. About 13 a year.

16 Q. For plaintiffs?

17 A. Yes, sir.

18 Q. And are those cases that you
19 actually write reports on?

20 A. Well, not always. I mean, sometimes
21 they want reports, sometimes they don't,
22 but --

23 Q. Well, those 13 -- did you say 13 a
24 year for plaintiffs; is that correct?

1 A. Yeah, because I don't -- I don't do
2 very many defense depositions.

3 Q. Okay.

4 A. And the reason is because -- well,
5 the reason is that, the same way with
6 plaintiffs' cases, most of them, I don't
7 feel there's a problem with them, and on
8 defense cases sometimes I'll tell them that
9 I can't help you, so --

10 Q. How many of your plaintiffs'
11 depositions go on to a -- where a deposition
12 is actually taken; where you accept a case,
13 not necessarily a trial deposition, but at
14 least a discovery deposition?

15 A. Sure.

16 Q. How many of those?

17 A. For every case that goes to
18 deposition, I will review 2 or 3 more, so I
19 will review somewhere in the neighborhood of
20 40 to 50 cases a year.

21 Q. So, in other words, these 13
22 depositions are discovery depositions --

23 A. Right.

24 Q. -- that were taken by plaintiff?

A. Right.

2 Q. Where you represent the plaintiff?

3 A. Sure. This is kind of an unusual
4 situation here, because, normally speaking
5 for me, my experience has been that there
6 would be a discovery deposition and later
7 there would be a trial, the testimony.

8 Q. Right. Sometimes there isn't a
9 trial, just a discovery deposition.

10 A. Well, but, of course, sometimes the
11 cases are dismissed or -- or settled or
12 whatever.

13 Q. Right. So the 13 depositions that
14 you take on behalf of plaintiffs --

15 A. Right.

16 Q. -- that's per year, did you say,
17 or --

18 A. Yeah. For the last four years,
19 that's a consistent number.

20 Q. So in the last four years, from
21 19 -- I'm just trying to get this
22 straight -- from 1996 to the present, about
23 4 times, so about 50 times you have
24 testified that the doctors were negligent

1 and -- and doctors and/or hospital were
2 negligent on behalf of the plaintiff?

3 A. Yes, sir.

4 Q. Okay. About 50 times in the last
5 four years?

6 A. Yes, sir.

7 Q. And of those 50 times where you felt
8 doctors were negligent --

9 A. Right.

10 Q. -- and had caused the patients harm
11 or death --

12 A. Right.

13 Q. -- about how many of those 50
14 actually ended up going to trial?

15 A. Well, about a third of them.

16 Q. So maybe 15 times you ended up --

17 A. Yeah. About --

18 Q. -- speaking to --

19 A. -- four trials a year is what I'm
20 averaging.

21 Q. So about -- okay. All right. What
22 do you charge, Doctor, for these
23 depositions?

24 A. \$750 an hour.

1 Q. \$750 per hour?

2 A. Yes, sir.

3 Q. Would you agree that Rita Karaba
4 should not have been sent -- should not have
5 been sent to the radiology department, to
6 the CAT scan, with no one with her who was
7 capable of writing orders?

8 A. No.

9 Q. You would not agree with that?

10 A. No.

11 Q. You have mentioned in the past
12 textbooks that you consider reliable and
13 informative sources. What textbooks do you
14 consider reliable?

15 A. Well, the textbooks that we would
16 like to see the student use is Sabiston's
17 *Textbook of Surgery*. That's my personal
18 favorite. It's out of Duke University, and
19 most everything out of Duke is quality.

20 Q. How about authoring articles, have
21 you written very many articles yourself?

22 A. Two. I didn't include them in my
23 curriculum vitae because they're two little
24 dinky ones. One was on the laparoscopic

1 diagnosis of traumatic rupture of the
2 diaphragm. It has nothing to do with this.
3 And the other one was on intestinal injuries
4 due to seat belts.

5 In 1990 we had an exhibit at the
6 American College of Surgeons in
7 San Francisco, and that was followed up with
8 a little paper that went into one of the
9 little journals, and I don't consider those
10 to be -- you know, to me, a paper is one
11 that comes out in the big four, you know:
12 *Annals of Surgery*, what used to be called
13 *SGNO* is now called the *Journal of the*
14 *American College of Surgeons*, *Archives of*
15 *Surgery*, that sort of thing.

16 Q. And you've never written articles
17 for those journals?

18 A. No; I have not.

19 Q. Okay. Now, Doctor, you've given
20 some opinions on direct examination
21 regarding Rita Karaba's carcinoma,
22 correct --

23 A. Yes, sir.

24 Q. -- carcinoma?

1 A. Yes.

2 Q. You're not an oncologist, are you?

3 A. No.

4 Q. Do you have any training as a
5 oncologist?

6 A. And I didn't sleep at a Holiday Inn.
7 I'm just kidding. I have had training in
8 cancer surgery.

9 Q. How many years ago?

10 A. Well, all my life. I mean, we don't
11 stop learning just because we finish our
12 training.

13 Q. Are you -- well, when you say,
14 "training in cancer surgery," have you ever
15 followed up with a cancer patient after
16 you've done the surgery --

17 A. Of course.

18 Q. -- as a cancer expert?

19 A. Well, let's define what a cancer
20 expert is.

21 Q. Okay. The first --

22 A. I don't do chemotherapy, let's put
23 it that way.

24 Q. Okay. So, in other words, you are

1 not a doctor who is called upon to treat the
2 cancer after the patient has had surgery,
3 are you?

4 A. No. That's a medical oncologist.
5 I'm a surgeon.

6 Q. Okay.

7 A. Now, there is a division of surgery,
8 called surgical oncology, where people
9 choose to do nothing but treat cancer.

10 Q. Uh-huh.

11 A. That is a minority number of general
12 surgeons.

13 Q. And you are not one of those?

14 A. No, sir. No, sir, because I do
15 other things.

16 Q. So, basically, your opinions are
17 based upon a search of the literature; would
18 that be a fair statement?

19 A. And my personal experience. You
20 know, I've dealt with a lot of cancers of
21 the colon and I've dealt with a lot of
22 perforated cancers of the colon. It's --
23 I'm not ignorant of the experience of
24 handling these patients.

1 Q. All right. You've read Dr. Gordon's
2 deposition, correct?

3 A. Yes, sir.

4 Q. And you're aware that he has stated
5 in his deposition that during that period of
6 time when she was at the CAT scan, that even
7 though he would have been told that she was
8 pale and diaphoretic, that her blood
9 pressure was 100/50, that her pulse was 136,
10 and her respiration was 32, that he would
11 not have done anything differently than he
12 did do. You're aware of that, aren't you?

13 A. Yeah.

14 Q. Are you in agreement with that?

15 A. Yeah, I am. I am. I think the CAT
16 scan had to be done.

17 MR. GUION: Okay. Doctor, I have no
18 further questions. Thank you.

19 EXAMINATION

20 BY MR. KELLEY:

21 Q. Dr. Barnes, my name is Jay Kelley.
22 We met this morning.

23 A. Yes, sir.

24 Q. I'm going to ask you several

1 questions. Just so you're aware, 1
2 represent Dr. Gordon and his emergency group
3 in this case --

4 A. Okay.

5 Q. -- okay?

6 A. Sure.

7 Q. If I ask you a question that for
8 some reason doesn't make sense to you or
9 that you don't understand for sure, let me
10 know, and I'll be happy to repeat it or
11 rephrase it, okay?

12 A. Sure. Certainly.

13 Q. What I'm going to try to do here is
14 not repeat a lot of the questions that have
15 already been asked, but try to add a little
16 context and perspective to some of the
17 medical principles that have been raised by
18 plaintiff's counsel, okay?

19 A. Sure.

20 Q. First, you do have involvement in
21 your practice on a regular basis with
22 patients and physicians generated from the
23 emergency room, correct?

24 A. Sure.

1 Q. And in that situation you're
2 consulted in to determine whether or not
3 someone is or is not a surgical candidate,
4 right?

5 A. That's correct.

6 Q. So patients such as this, and the
7 issues of whether or not someone is a
8 surgical candidate and what tests are
9 required are within your day-to-day
10 practice, correct?

11 A. Yes, sir.

12 Q. Now, obviously, in this case, when
13 it comes to you, you have some benefits that
14 some of the physicians who are there that
15 are on the front line that day do not have. .
16 Primarily, you know the outcome by way of
17 the autopsy, right?

18 A. That's correct.

19 Q. And you will agree that medicine
20 would be a lot easier to practice if we knew
21 the diagnosis before we started providing
22 treatment?

23 A. Well, that's the tough part about
24 doing these things. I mean, hindsight is

1 always 20/20, but I try to review cases with
2 the idea of looking at it prospectively,
3 following the case as it came in and try to
4 put myself in the shoes of the people who
5 were confronted at that particular
6 circumstance, because there's no question
7 that once you know all the questions, you
8 may well have done a lot of things
9 differently.

10 Q. I'm going to from time to time be
11 asking you some questions about the care and
12 treatment of my client, Dr. Gordon.

13 A. Sure.

14 Q. And whether or not he complied with
15 the standard of care, which is kind of a
16 phrase generated by lawyers.

17 A. Well, standard of care refers to
18 what a reasonable physician would do under
19 the same circumstances. I can give you
20 answers from a general surgeon's
21 perspective. I am not board certified in
22 emergency medicine.

23 I have worked with emergency doctors
24 all my career. 13 years in trauma, you

1 know, you're elbow to elbow with them, so --
2 so I will -- I will give you the -- my
3 answers as best I can from my perspective.

4 Q. And let me start with following up
5 on 'ananswer that you gave earlier. I think
6 you described that if yourself and an entire
7 surgical team were called in to consult for
8 this patient, you do not believe the outcome
9 could have been different, correct?

10 A. Well, I don't, no. I sure don't,
11 because I think this person's -- I think
12 this person -- the course of this lady was
13 virtually cast in stone from approximately
14 8:30 on.

15 Q. And there has been some discussion
16 in this case about the underlying tumor --

17 A. Right.

18 Q. -- that this patient had.

19 A. Right.

20 Q. But my understanding is this is an
21 8-by-10 centimeter tumor that she had in the
22 left lower quadrant of her belly.

23 A. Yeah. Bigger than my fist.

24 Q. And when we talk about perforating

1 and invasive carcinoma such as this, what
2 you mean is, this tumor has actually gone
3 through the bowel wall?

4 A. Yeah; that's correct.

5 Q. And that, in your experience as a
6 surgeon, is a sign that has negative
7 consequences for the patient, correct?

8 A. It is of -- of very important
9 prognostic significance, but it's also
10 indicative of a grave prognosis.

11 MR. GUION: I'm going to again
12 object to this whole line of questioning
13 regarding the cancer.

14 BY MR. KELLEY:

15 Q. Mr. Guion asked you a question about
16 whether or not you agree that patients in
17 their 40s who present to the hospital for
18 surgery for a colonic mass only have a
19 ten-percent mortality.

20 A. No.

21 Q. You disagree with that, correct?

22 A. I disagree. I disagree.

23 Q. What I want to do is put context on
24 that medical statement that he asked you

1 about.

2 A. Okay.

3 Q. This patient, knowing that her mass
4 is 8-by-10 centimeters, goes through the
5 bowel wall and actually attaches or comes in
6 contact with the dome of her bladder --

7 A. Right.

8 Q. -- would that patient, as Mr. Guion
9 asked you, have a ten-percent mortality or
10 morbidity rate?

11 MR. GUION: Again, objection to this
12 line of questioning.

13 A. The extent of the cancer certainly
14 has a material bearing on the amount of
15 surgery that's done, but the reason that
16 people die is not necessarily from the
17 amount of surgery that's done; it's from the
18 other factors. Is there a sepsis? Is there
19 hemorrhage? Is there renal failure? Is
20 there myocardial infarction? And so on.

21 I think Dr. Booth mentioned it that
22 being younger this woman had a better
23 prognosis and, in general, that's true. I
24 mean, I don't know of any surgeon that

1 wouldn't rather operate on young people
2 rather than older people, just as a matter
3 of course.

4 But each one of these -- you can --
5 while you can generalize, when you get down
6 to specifics, the individual's extent of the
7 disease and the -- and the -- and the
8 consequence of the disease on that
9 particular person is what's important.

10 I think -- I think that the
11 literature is very clear on this. And the
12 literature very clearly states that the
13 mortality rate is about 20 percent, and
14 that's -- we can argue until the cows come
15 home whether or not a woman like this, who
16 is 40 years old, but, hey, she's obese,
17 okay? Now there's one factor right there.
18 She -- now we know that she also had
19 hypertension. There's another factor right
20 there, you see. So it wasn't like this
21 woman was pristine.

22 So, you know, we can argue as to
23 whether or not it would be a little less
24 than 20 or whatever, sure. Sure. There's

1 no question that age has something to do
2 with it.

3 I notice on her autopsy she had a
4 good heart. That's a big plus. That's a
5 big plus, but it's not -- you know, again,
6 we're getting off on little tangents here
7 when you have to consider, look what
8 happened to her.

9 I mean, look what happened to her.
10 You know, you go into sepsis, it doesn't
11 matter how old you are, you're going to be
12 sicker than a dog, and a lot of people don't
13 survive.

14 Q. Looking at the care and treatment
15 provided, there were some questions asked
16 first regarding the adequacy of history, and
17 specifically hypertension.

18 A. Right.

19 Q. You do see that they took medical
20 history from this patient, including the
21 fact that she had: endometriosis --

22 A. Right.

23 Q. -- 13 years ago?

24 A. Right. She did. Uh-huh.

1 Q. You see in the chart that they asked
2 what medications she was on prior to coming
3 to the hospital, and she said none.

4 A. Right.

5 Q. You see that they asked about
6 allergies that the patient had, and she said
7 none?

8 A. That's correct.

9 Q. You see that they got a description
10 of the immediate signs and symptoms that
11 prompted this episodic visit?

12 A. They did.

13 Q. And that included the two-day course
14 and, also, the similarity in presentation
15 between what she came that day to the
16 endometriosis.

17 A. To the endometriosis, that's
18 correct.

19 Q. Okay. So do you agree that the
20 history as taken represents a thorough and
21 adequate history in compliance with the
22 standard of care?

23 A. I believe it was.

24 Q. Okay. Obviously, history is only

1 one part of what a clinician can do in
2 trying to figure out what is wrong.

3 A. Absolutely.

4 Q. And, so the jury recalls, we're
5 talking about this case knowing the outcome.
6 Dr. Gordon didn't know the outcome that day.

7 A. That's right. He didn't have a
8 clue. How could he?

9 Q. In fact, he's the first person who
10 found this mass, which had obviously been
11 present for quite some time, correct?

12 A. That is correct.

13 Q. Now, another part of what a
14 physician does in conjunction with the
15 history is they perform physical
16 examination?

17 A. That's correct.

18 Q. And that's where the physician
19 actually puts his hand on the patient,
20 right?

21 A. Yes.

22 Q. And if we look at this -- and I
23 don't want to go through each step, because
24 that would take quite some time -- but,

1 Dr. Gordon's chart shows that he did a
2 head-to-toe, literal, physical examination
3 of this patient, correct?

4 A. Yes, he did.

5 Q. He checked head and neck all the way
6 down to the lower extremities, right?

7 A. That's correct.

8 Q. And one of the things that you
9 described is that in looking at an abdominal
10 presentation, you look at that physical
11 abdominal exam, right?

12 A. Yes, sir.

13 Q. And you look for things like rebound
14 tenderness?

15 A. Well, specific to the abdomen, yes.

16 Q. Specific to the abdomen?

17 A. Yes. That's correct.

18 Q. And the reason that you know this
19 patient did not have rebound tenderness,
20 still had bowel sounds present, was because
21 he did that thorough examination, correct?

22 A. He says he -- and he specifically
23 mentions it. He says there was no rebound
24 involved or any guarding, which is

1 another -- guarding is simply when you start
2 pushing on somebody, they stiffen up because
3 they don't want you to push anymore.

4 Q. And this was a patient who was alert
5 and oriented, correct?

6 A. Right.

7 Q. Whose vital signs at the time were
8 not markedly abnormal or critical in any
9 regard of Dr. Gordon's examination, correct?

10 A. Well, her vital signs were normal.
11 Certainly, she -- you know, she came to the
12 emergency room because she was ill.

13 Her temperature was 103.7 rectally
14 at the time that he dictated this. She had
15 an elevated pulse. We know that she had an
16 elevated respiration rate. Certainly, the
17 woman was -- had indications that she was
18 ill.

19 Q. These vital signs, though, are not a
20 contraindication to performing certain
21 laboratory tests, as were taken, correct?

22 A. Well, look, the key whenever you
23 have somebody is to find out what is going
24 on. That is called a diagnosis. And every

1 medical student will tell you, because we
2 drill it into their heads, is that a
3 diagnosis is made upon a thorough history, a
4 complete physical examination, and
5 intelligent use of laboratory and x-ray
6 findings. Putting all of the pieces of that
7 mosaic together, then you finally get the
8 picture.

9 Hopefully, not always -- not always.
10 Sometimes we have to guess, but without
11 doing those four components, you are not
12 only doing a disservice to your patient, but
13 you're -- you're -- you're running a risk
14 that you're not going to find out what's
15 going on -- big risk.

16 Q. And, obviously, when we talk about
17 that mosaic, what you're trying to do is
18 from piece -- by piece of information by
19 piece of information, put together an
20 intelligent picture?

21 A. Of course.

22 Q. One of the things that was done was
23 a chest x-ray --

24 A. Right.

1 Q. -- which raised the question of a
2 viral pneumonia.

3 A. Well, yes, it did.

4 Q. Okay. What is viral pneumonia; is
5 that fluid in the lungs?

6 A. It is inflammation of lung tissue
7 and the interior of the lung due to
8 inflammation from the virus. It's different
9 than pulmonary edema, which is fluid between
10 the air sacs where the blood vessels are.

11 Q. And pulmonary edema, that is
12 actually fluid in the lungs that makes it
13 more difficult for the body to exchange
14 oxygen and carbon dioxide, correct?

15 A. Oh, yeah. Sure.

16 Q. And what it is, in essence, some
17 people have described it as, you almost
18 drown within yourself from that fluid?

19 A. That's, of course --

20 Q. That's a layman's --

21 A. -- that's a very vivid description,
22 because that's a lot different than
23 drowning. Drowning is where you can't get
24 air in --

1 **Q.** Sure.

2 **A.** -- because you've got water in your
3 throat and in your windpipe. But what it
4 does, is it impairs -- I mean, you have a
5 very fine balance there of air sacs and a
6 few cells and blood vessels on the other
7 side, and without getting biblical, this is
8 a -- this is one of the reasons why I don't
9 think we came from amoebas -- there's oxygen
10 goes across this little membrane, and it's
11 picked up by the red blood cells, the iron
12 pigment called hemoglobin. At the same time
13 they drop off, they use stuff, the carbon
14 dioxide, and this happens every time you
15 breathe. It's really amazing.

15 Now, that little space, that little,
17 teeny space that you have between air that
18 you actually breathe and blood that's
19 circulating in your body is only a few cells
20 thick. If you get fluid in there -- and
21 what fluid does is simply widen the gap. It
22 widens the gap and, therefore, you don't
23 have the exchange as well. And that's why
24 the oxygen saturations go down and all of

1 the nasty things happen with -- that are
2 reflective of lack of oxygen; lack of being
3 able to properly breathe.

4 It's the same as that wall. I mean,
5 that wall was paper thin until they just
6 redid it. We could all hear what they were
7 saying on the other side of the wall. They
8 fixed up the wall, they put in some
9 insulation, they made it thicker. Now we
10 can't hear them and they can't hear us. Not
11 as much fun, but that's basically the same
12 principle.

13 The principle is the -- is the --
14 the -- the how thick -- how thin is that
15 delicate little membrane.

16 Q. When an emergency physician
17 questions a surgical cause or things of that
18 type, sometimes they call you, a surgeon,
19 in, correct?

20 A. Sure.

21 Q. If you were called in, I think you
22 testified earlier, that you would have
23 wanted a CT scan?

24 A. Oh, sure. Absolutely.

1 Q. Because that's information vital for
2 you to determine what type of surgery and
3 the extent *of* surgery for the patient,
4 correct?

5 A. I -- I can categorically tell you
6 this person would not have gone to surgery
7 by a practicing surgeon. A surgeon who
8 practices abdominal surgery would not have
9 taken this person to the operating room
10 without a CT scan.

11 Because, as I testified before, we
12 do go from ER to OR. We do go from
13 emergency room to operating room because the
14 circumstances dictate it. I've taken people
15 to the operating room with a gunshot wound
16 to the heart. We didn't stop for x-rays or
17 anything else. We didn't even stop or
18 anything.

19 But in this particular circumstance,
20 as this lady presented, a CT scan was not
21 only appropriate, but it would have been
22 ordered by the surgical consultant. I'm
23 convinced of that.

24 Q. Now, looking at the care and

1 treatment of Dr. Gordon prospectively --

2 A. Uh-huh.

3 Q. -- and I know you were asked some
4 questions by plaintiff's counsel about
5 retrospective and prospective opinions.

6 A. Sure.

7 Q. Do you believe the care and
8 treatment he provided was reasonable and in
9 accordance with the standards of care?

10 A. I do. I think it was reasonable,
11 sure.

12 Q. And based upon the information that
13 he had available, do you believe that the
14 laboratories, the fluids, and the CT scan
15 which was ordered, were all appropriate and
16 in accordance with the standard of care?

17 A. Well, I conceded to counsel that a
18 little more fluid would have been better
19 than less fluid. Having said that, what
20 we're talking about here is about an hour of
21 life.

22 Looking at it another way, let's say
23 that she got that 500cc bolus instead of the
24 150 an hour that was ordered.

1 MR. GUION: Objection. It was 100.

2 THE WITNESS: Sir, I think it was
3 150 that was ordered.

4 MR. GUION: That was ordered, okay.

5 A. Yeah. So -- okay. 350cc's is not
6 even as much fluid as in this Coke
7 container, and you wonder, is that the
8 difference between life and death in a
9 200-pound woman? I can't believe that. I
10 don't believe that, which is why I don't
11 believe this woman died of dehydration.
12 This woman died of sepsis.

13 I think there's some agreement with
14 that with some of the consultants, but the
15 fact of the matter is that it wasn't just
16 the fluid alone that would make the
17 difference in one hour on this thing.

18 I think the doctor ordered the 150
19 an hour. 150 an hour is not a great amount
20 of fluid, but it's more than maintenance.
21 Maintenance is about 100 an hour.

22 So -- so, you know, I don't think
23 that Dr. Gordon erred in what he did,
24 because at the time that he assessed this

1 patient, he had some facts at his fingertips
2 that said, I have a sick lady with an
3 abdominal mass with fever. I mean, at that
4 point, he didn't even know what her white
5 count was.

6 I'm sure the -- the 61,000 must have
7 caused him to catch his breath, because
8 that's a lot. That's an unbelievable
9 amount, which indicates that there's
10 something really, really metabolically wrong
11 with this woman. Can you get 61,000 just
12 from dehydration? Of course not. No. No.

13 Again, looking at the big picture,
14 looking at the stained glass window, you put
15 all these pieces together. Was she
16 dehydrated? Yes; she was dehydrated. Did
17 she have infectious process going on? Yes;
18 she had infectious process going on. Was
19 she septic? Yes; she was septic. Was it
20 mild, moderate, or severe? I called it
21 fulminating in my report because I believe
22 that.

23 This woman went down like a stone,
24 and when you look at her autopsy, she should

1 not have gone down like a stone. She -- she
2 doesn't have a bunch of bad organs.

3 So when you have good organs and you
4 go down like a stone, it tells us that
5 there's something going on in there, some
6 little toxin or some blood component or
7 something that has basically poisoned this
8 woman, for lack of a better word. I truly
9 believe that.

10 I think that this woman died of
11 sepsis, and I think she died because of the
12 mechanism of the disease brought on by her
13 perforated colon.

14 Now, you're asking me, you know,
15 would -- would -- would -- what Dr. Gordon
16 did was appropriate? I think it was. I
17 think it was. Dr. Gordon is not a surgeon,
18 number one. Number two, he's an ER doc.
19 His job is to figure out, roughly, what's
20 going on, get some treatment initiated, and
21 then, once he's got his facts together, call
22 for help.

23 If this lady had not crashed on the
24 return from the CT scan, his next step would

1 have been to get those reports together and
2 get a surgical consultation. This person
3 would have been admitted to the hospital. I
4 don't know about Parma General, but in my
5 hospitals, emergency room doctors can't
6 admit patients; it has to be done by a
7 consultant. So -- so, you know, did he do
8 his job? I think he did.

9 MR. KELLEY: Okay. I don't have any
10 further questions for you. Thank you very
11 much.

12 FURTHER EXAMINATION

13 BY MR. ROBERTSON:

14 Q. Doctor, in response to a great many
15 questions by counsel for the plaintiff about
16 various signs and symptoms which the chart
17 contains that pertain to Rita Karaba on
18 November 23rd, are any of those factors that
19 plaintiff's counsel elicited from the chart
20 such that they change your opinions in this
21 case?

22 A. No. No. I answered truthfully. I
23 answered his questions as they were asked.
24 There's room for debate as to how you put

1 all this together. The questions he asked
2 were fair, I think, and I answered them, I
3 think, in a fair way. But I don't think
4 that any one of those factors was the single
5 most important thing that dealt with this
6 woman's demise.

7 Q. A number of his questions dealt with
8 whether or not a particular sign or symptom
9 was, and I quote, consistent with
10 hypovolemia.

11 A. Right.

12 Q. And you would say yes, they were?

13 A. Well, sure.

14 Q. Were they also consistent with other
15 things?

16 A. Of course. Of course. I mean, see,
17 that's the beauty of this business we're in,
18 there is no black and white. The fact of
19 the matter is that when you have a rapid
20 pulse, when you have decreased blood
21 pressure, you can have that without any
22 dehydration whatsoever. Ask anybody that's
23 had a coronary. Ask anybody that's got a --
24 a kidney stone. I mean, there's just so

1 many things that enter into whether or not
2 your blood pressure is up or down, whether
3 or not your pulse rate is up or down,
4 whether or not your respirations are up or
5 down.

6 You can take a person with perfectly
7 normal lungs, if you give them a painful
8 stimulus, I can guarantee you that they're
9 going to breathe faster.

10 So, you know, what he said was true,
11 but again, I really make a strong appeal to
12 put the entire picture together.

13 Q. Doctor, you said that ideally
14 perhaps it would have been nice if
15 Dr. Gordon had been informed about the fact
16 that the patient was writhing in pain and
17 short of breath. Do you feel that the
18 failure to communicate that information, if
19 indeed it occurred, is a departure from the
20 standard of care for the nurses involved?

21 MR. GUION: Objection.

22 A. I don't think it's a departure of
23 the standard of care. But looking at it
24 very pragmatically, it wouldn't have made

1 any difference, and the doctor said that.

2 Because, number one, normally
3 speaking, pain medication is not given until
4 they have some idea what's going on.
5 Normally speaking, you don't give pain
6 medication to alleviate pain until you have
7 your surgical consultation, because it
8 changes the perception of your consultant's
9 assessment of the abdomen if the person is
10 narked out on morphine or something.

11 so -- so he -- you know, I mean, it
12 would have been nice if somebody said she's
13 writhing around in pain. It would have been
14 nice if he'd have gone over and said, how
15 you doing, but it still would not have
16 changed things. He would not have given her
17 anything for pain medication. He still
18 would have wanted to have that CAT scan.

19 It -- her assessment would have been
20 roughly the same. The autopsy shows that
21 she didn't have a generalized peritonitis,
22 so the examination that he had of her
23 abdomen at 8:00, I don't think would have
24 been any different at all at 8:45.

1 But what you had was a lady who was
2 in -- in -- in pain and then she was -- of
3 course, she's got a tumor that's giving her
4 the pain, so, you know, that's no big
5 surprise. But it wouldn't have made any
6 difference to Dr. Gordon's what he did, and
7 I don't think what he did was inappropriate.
8 I think what he did was appropriate.

9 We're talking about whether or not
10 she should have had the CAT scan. I'm
11 telling you right now that the CAT scan was
12 necessary. It was necessary. It was
13 needed. And a surgical consultant -- if I
14 would have been asked to see this lady over
15 the phone, I would have told them to get a
16 CAT scan.

17 So, you know, I don't think the CAT
18 scan -- I don't think the CAT scan should
19 have been avoided. No, not at all.

20 MR. ROBERTSON: I have no more
21 questions. Thank you, Doctor.

22 FURTHER EXAMINATION

23 BY MR. GUION:

24 Q. Doctor, let's focus now on something

1 very, very critical here: The difference
2 between diagnosis and treatment, okay?

3 A. Sure.

4 Q. We've talked about the CAT scan.
5 The CAT scan is not treatment, is it?

6 A. That's diagnosis.

7 Q. It's diagnosis?

8 A. Yes, sir.

9 Q. Everything that Dr. Gordon did was
10 diagnosis, correct?

11 A. Well, that's -- that's right.

12 Q. In other words, with one
13 exception --

14 A. Starting an IV.

15 Q. -- he started an IV?

16 A. Right.

17 Q. Now, you have said that it takes
18 100cc's for maintenance per hour.

19 A. Right.

20 Q. In other words, a person just uses
21 up about 100cc's, correct?

22 A. That's right.

23 Q. So, in order for them to be getting
24 anything, they'd have to get more than that,

1 correct?

2 A. Correct.

3 Q. Okay. So if that is correct, that
4 she was given 100cc per hour --

5 A. That's correct.

6 Q. -- and we know she got about -- she,
7 in effect, received no fluids; is that a
8 reasonable, logical statement based on those
9 numbers?

10 A. Well, I don't know about no fluids.

11 Q. If you give her 100 and she needs
12 100, doesn't that come out to zero?

13 A. Well, what that tells you is you're
14 not going to gain, you're not going to lose,
15 you're going to stay the same.

16 Q. In other words, she didn't gain
17 anything?

18 A. Well, if it was 100 that she got.

19 Now --

20 Q. She got 100.

21 A. -- hypothetically, if she got 100 --

22 Q. She received nothing?

23 A. -- she gained -- she had no gain in
24 her body water, no.

1 Q. Okay. Which means from the moment
2 she entered that hospital until she died she
3 received absolutely no treatment whatsoever;
4 is that a correct statement?

5 A. Well --

6 MR. KELLEY: Objection.

7 Q. I'm not talking about diagnosis;
8 treatment.

9 A. -- let's say beneficial treatment.

10 Q. No. I'll go beyond that. Let's go
11 with the word "treatment," because I want to
12 know what the treatment was, if you want to
13 say she did receive any.

14 A. Well, the fact that she got any
15 fluids at all was treatment.

16 Q. No. Well, wait a minute. Let's go
17 back over it again.

18 A. Let's go back over that again,
19 because --

20 Q. All right.

21 A. -- she came to the emergency room.
22 She was not taking in any fluids at all, so
23 the fact that she was getting any fluids at
24 all, to me, would be a --

1 Q. Maintenance?

2 A. -- a change of her status. Now, it
3 might be maintenance level at 100cc's --

4 Q. Okay.

5 A. -- but it's still better than
6 nothing.

7 Q. Well, actually, we're only talking
8 three ounces, aren't we?

9 A. Well, that's -- (Indicates.)

10 Q. That can holds 12 ounces, doesn't
11 it?

12 A. Yeah. Yeah.

13 Q. So we're talking about giving her
14 one-fourth of that can of fluids, and that's
15 the total treatment she received in that
16 hospital.

17 A. But the difference between what she
18 got --

19 Q. Uh-huh.

20 A. -- and what she was getting
21 before --

22 Q. Before what?

23 A. -- before she came to the
24 hospital -- I mean, the reason that this

1 woman got dehydrated was because she was not
2 taking in fluids of enough level to maintain
3 her hydration status.

4 Q. Right. We agree with that.

5 A. Okay. Now, so --

6 Q. But once she gets in the hospital --

7 A. -- 100cc's an hour, you and I will
8 agree was not enough to treat this woman's
9 dehydration over the hour and a half or hour
10 or so that they had; I mean, from 7:45 to
11 whatever time. Let's agree that --

12 Q. We do agree with that. I agree it
13 wasn't enough, completely.

14 A. (Indicates.) But I'm also going to
15 tell you that if you had given this much
16 more, it would have been a spit in the
17 ocean.

18 Q. I agree with that, too, but what if
19 we had run a 500 bolus in ten minutes, and
20 then another 500 bolus, and then another 500
21 bolus, and then another one, until her
22 orthostatic changes came down; wouldn't that
23 have been proper fluid resuscitation, and
24 haven't you, in fact, said so yourself in

1 the past?

2 A. If you look at it retrospectively,
3 you would have to agree with that statement.

4 Q. You would have to agree with it?

5 A. I would agree with it
6 retrospectively, but looking at what
7 Dr. Gordon was faced with, at 8:00 he does
8 his exam. He orders a bunch of tests that
9 he has -- I mean, he had no idea what the
10 hemoglobin/hematocrit was at 8:00.

11 Q. Right.

12 A. He had no idea what the BUN and
13 creatinine were, whatever the ratios were,
14 Counselor. Give the guy a little bit of
15 credit here. He started an IV more -- he
16 ordered the IV more than the usual rate.
17 Usual rate that people get an IV is 100 an
18 hour, which is maintenance fluid.

19 Q. That's all he got -- that's all she
20 got, was 100 an hour.

21 A. But I'm going to differentiate that
22 he ordered 150. It says here, 150.

23 Q. He ordered it, but she didn't
24 receive it --

1 MR. ROBERTSON: Objection.

2 A. Sir, I'm not going to argue --

3 Q. -- according to the records --
4 according to the records?

5 MR. KELLEY: I'm just going to
6 object that you won't let him finish an
7 answer.

8 A. The doctor ordered the 150 an hour.

9 Q. I understand.

10 A. Now, what she got --

11 Q. According to the record.

12 A. -- according to the record, I don't
13 know what it says, because we don't have a
14 fluid sheet on here -- now wait a minute.
15 Let me try to explain this.

16 Normally speaking, there's an IV
17 fluid sheet or an intake and output record,
18 and there wasn't one because they didn't
19 have time to compile it. Where the
20 difference is, is that Kathy Schaefer takes
21 off this order 150 an hour --

22 MR. ROBERTSON: It's Kim Schaefer,
23 Doctor, for the record.

24 A. Whatever -- yeah -- and then, over

1 here, she writes 100 an hour. Now, that can
2 either be because she didn't give any more
3 than 100 an hour, or it could have been a
4 clerical error on her part. I don't know
5 how much she got. I know that 150 was
6 ordered. I can't -- I just can't believe,
7 Counselor, that somebody would be so stupid
8 as to take off an order and then -- then
9 only give two-thirds of it. I mean --

10 Q. But that could have happened,
11 couldn't it?

12 A. Anything can happen. We could be
13 hit by lightning here.

14 Q. It's logical to assume that if she's
15 not on another page writing 100cc's, that
16 that's probably what she's going to run,
17 isn't it?

18 A. I don't know that. I don't know
19 that. I just find in --

20 Q. In any event --

21 A. -- it's hard for me to believe that
22 she would do that.

23 Q. In any event, from the time Rita
24 came into that hospital --

1 A. Right.

2 Q. -- until the time she died --

3 A. Right.

4 Q. -- you tell me what treatment she
5 received.

6 A. Okay. She --

7 Q. Treatment.

8 A. They didn't get around to treatment.
9 I mean, what they got -- what she got was
10 some IV fluid. We will agree that she got
11 some IV fluid.

12 Q. We'll agree she got three to five
13 ounces, then, if you want to put it that
14 way.

15 A. Okay. Sir, that's three to five
16 ounces better than nothing.

17 Q. Minus the three ounces that was
18 maintenance, meaning she either got no
19 fluids whatsoever, or at the most, she
20 received two ounces, by your own figuring,
21 correct?

22 A. Okay. We have to define that
23 maintenance is when you give fluid to
24 somebody that has no other source of fluid

1 Q. Which was the case here, wasn't it?

2 A. As it turned out. As it turned out.

3 I mean, the woman vomited that morning. She
4 hadn't been vomiting for two days. She
5 vomited that morning. She was -- and we all
6 agree she was dehydrated to some degree.
7 Your consultants say more, I say less, but
8 we all agree that she was dehydrated to some
9 degree.

10 He started an IV of 150 an hour,
11 which is more than they normally start an IV
12 with -- he ordered the IV. Okay. Now --
13 then he ordered a battery of tests,
14 including a pregnancy test, which I thought
15 was pretty thorough. He then orders a chest
16 x-ray and CT scan, with the idea that when
17 all of this information comes together and
18 he starts to get a picture of that stained
19 glass window, then he may have some idea.

20 Q. And then he may start treatment?

21 MR. KELLEY: Objection to the
22 continued interruption of the witness.

23 Q. Well, go ahead.

24 A. But see, I don't think that

1 Dr. Gordon or any of us are so clairvoyant
2 that we know what to treat in advance. For
3 example, did he know on his examination at
4 8:00 that this woman should have
5 antibiotics? No. How could he? How could
6 he? He didn't know that, Counselor. He
7 wouldn't have the faintest clue.

8 This lump that he's feeling down
9 there, was it necessarily perforated
10 diverticulitis? Why couldn't it have been a
11 cancer of the ovary?

12 You see what I mean? He can't --
13 you've got to be a little cautious about,
14 you know, shooting from the hip. You can't
15 jump in there and assume certain things
16 until you have some of your diagnostic
17 studies, and it turns out many of these
18 studies didn't come back until after she was
19 already coding. I mean, you mentioned that
20 yourself. The reports of the CT scan didn't
21 come back till later, not to say he couldn't
22 go over and look at it himself.

23 Q. But he didn't do that, did he?

24 A. He didn't have time. He didn't have

1 a chance. As soon as she came back, he was
2 in immediate attendance. That's what it
3 says on the thing, immediate attendance, and
4 she crashed. He had to intubate her.

5 Q. Okay. Let's go back and talk some
6 more about the fluid.

7 A. Okay.

8 Q. She may have got no fluid; she may
9 have got up to two ounces? Other than that,
10 I mean, if she -- assuming he ran it at
11 150cc, would have been two ounces?

12 A. That's five ounces.

13 Q. But minus the maintenance, which is
14 the three ounces.

15 A. Well, that's one way of looking at
16 it, but, you know, the fluid --

17 Q. The benefit to her. We're talking
18 about benefit.

19 A. Okay, therapeutic benefit.
20 Therapeutic benefit would have been 50cc's
21 more, right.

22 Q. Okay. So two ounces at the most,
23 maybe no ounces.

24 A, Over an hour and a half would be

1 75cc's.

2 Q. Well, he said she received it about
3 an hour, okay? That was his --

4 A. Okay, about an hour, sure. Sure.
5 Yeah. Okay.

6 Q. Okay. Other than the fact that she
7 may have gotten a few ounces, at the most a
8 few ounces, this 211 -- of fluid --

9 A. Right.

10 Q. -- are you aware of any other
11 treatment that she received while she was in
12 Parma Hospital?

13 A. Well --

14 MR. KELLEY: Before the code?

15 MR. GUION: At any time, from the
16 second she walked in the door.

17 A. Well, sure.

18 Q. What treatment?

19 A. Well, they gave her nasal oxygen.
20 He intubated her so that she could have --
21 they could assist her in her respiration.

22 Q. That was when she was dead, or
23 dying, at 9:30.

24 A. That was at 9:15.

1 Q. It wasn't at 9:15. They intubated
2 her about 9:30.

3 MR. ROBERTSON: She was receiving
4 oxygen by nasal cannula.

5 A. She was intubated at 9:35, okay, but
6 she received oxygen at -- 9:05.

7 Q. So he put this nasal tube up and
8 then they put some air in her. That's one
9 treatment, right? We'll call that a
10 treatment, if you will.

11 A. It was oxygen, yeah. Sure.

12 Q. And other than that, did she receive
13 anything else all the time she was at Parma
14 Hospital --

15 A. Well, sir --

16 Q. -- in terms of treatment?

17 A. -- okay. If we are going to define
18 treatment as not including all of the
19 diagnostic tests that were ordered --

20 Q. We certainly are going to do that.

21 A. -- I mean, if you exclude all
22 that --

23 Q. We certainly are.

24 A. -- the only treatment she got was

1 intravenous fluid and oxygen. You're right.
2 Intravenous fluid and oxygen, and some
3 soothing words at the bedside.

4 Q. Because all those tests are not
5 treatment, are they, they're diagnostic
6 procedures?

7 A. Well, but, hold it now. I mean,
8 let's go back to what we said before. We
9 don't know what the heck we're treating
10 until we do the four steps.

11 Q. Okay, I'm not arguing that, Doctor.
12 I'm -- you didn't answer my question.

13 All of those diagnostic tests, they
14 are not treatment, are they?

15 A. Not in the strictest sense of the
16 word --

17 Q. Okay.

18 A. -- but they are necessary.

19 Q. I'm not arguing with that.

20 A. You can't treat until you have a
21 diagnosis.

22 Q. Oh, but you said quite the opposite
23 a few minutes ago, didn't you?

24 A. No. No. No. No.

1 Q. Didn't you tell us about a gunshot
2 wound where you take the person right into
3 surgery?

4 A. You've got a diagnosis, sir. You've
5 got a hole in the belly and you've got some
6 guy that's hurting like hell. Trust me on
7 this, a gunshot wound of the abdomen is a
8 self-evident diagnosis --

9 Q. Okay.

10 A. -- that you don't need to go to the
11 CAT scanner for.

12 Q. So you don't have to run any tests.
13 So sometimes --

14 A. Oh, yeah, you do. When you come
15 into my trauma bay, you will get seven tubes
16 of blood drawn on you, whether you like it
17 or not. No matter how quickly we're going
18 up there, we do want to know, and we also
19 get a blood gas, just so you know, but, you
20 know, that's trauma. That's a very
21 specialized art.

22 Let's look at what this lady had.
23 This lady had a battery of tests ordered.
24 She had some x-rays ordered. The effort

1 here is to come up with a diagnosis. Once
2 you've got a diagnosis, then you launch into
3 the treatment phase.

4 Q. But isn't it true that sometimes
5 treatment has to precede diagnostic studies?

6 A. To some degree, yeah. Sure; I would
7 agree with that, sure, if you know what the
8 process is. I mean, if you know what the
9 process is, of course. Did he know what
10 that mass was? No.

11 Q. He knew there were plenty signs of
12 dehydration, though, didn't he?

13 A. Well, he knew that there was some
14 dehydration.

15 Q. And he knew the woman weighed 211
16 pounds, correct?

17 A. Yeah.

18 Q. And he knew giving her no fluid, or
19 up to two ounces in your best-case scenario,
20 certainly wasn't going to help her any,
21 didn't he?

22 A. Well, two ounces more than
23 maintenance.

24 Q. Two ounces more than maintenance was

1 not going to help her, was it?

2 A. (Indicates.) And once again, this
3 much more fluid would have been like a spit
4 in the ocean.

5 Q. How about five times as much; would
6 that help?

7 A. In the context that it -- the short
8 answer is yes, it would have helped.

9 What else was going on with this
10 woman during the time that she would have
11 got five times more than this? Would it
12 have abated her pulmonary edema? No. Would
13 it have treated her sepsis? No. Would it
14 have closed the hole in her bowel? No.

15 So even had she got that more fluid,
16 Counselor, I'm absolutely convinced that
17 this woman would have gone down the slippery
18 slope.

19 Q. And you're well aware that Dr. Braen
20 and Dr. Booth are of the opinion that had
21 she gotten 'chat fluid, it would have kept
22 her alive and would have allowed her then to
23 have further diagnostic testing and would
24 have allowed her to get to surgery

1 eventually down the road, and she would have
2 lived. You're aware of their opinions,
3 aren't you?

4 A. I'm aware of their opinions, and
5 I -- you know, it's almost worth a trip to
6 Buffalo. I'd love to talk to these guys.

7 Q. I'm sure they'd love to talk to you.

8 A. Because I just don't agree with
9 that. I just don't agree with that.

10 Q. Uh-huh.

11 A. As a practicing surgeon, I can tell
12 you that this woman would not have gone to
13 surgery without getting tuned up, without
14 correcting, without doing all the necessary
15 things -- electrocardiograms, all that good
16 stuff -- but when you've got pulmonary
17 edema, that she didn't have when she walked
18 in -- her chest was clear when he checked
19 her over when he first examined her.

20 The initial chest x-ray showed some
21 element of disease, the CAT scan showed more
22 element of disease, and now we've got an
23 autopsy that shows a lot of element of the
24 disease. What does that tell us? That

1 tells us that this woman was going down like
2 a stone.

3 Q. So all the while she's in the
4 hospital, she is deteriorating, and all the
5 while she's in the hospital, she's receiving
6 no treatment; is that an accurate statement?
7 Yes.

8 A. No. No. I -- I -- well --

9 Q. Other than the oxygen and the two
10 ounces, at the most, of fluid, correct?

11 A. Okay. Let me just -- you know, I
12 don't want to be argumentative, Counsel.

13 Q. I'm just trying to --

14 A. I want to --

15 Q. For the record, I'm trying to make
16 clear the difference between diagnosis and
17 treatment.

18 A. Well, but that's the point. The
19 point of it is, in order to treat, you have
20 to diagnose.

21 Now, there are some things that are
22 self-evident. Yes. Could they have done a
23 little better job on the dehydration? Sure.
24 Sure. I mean, you know -- I mean, could

1 they have said, hey, this lady is dry, maybe
2 we ought to give her more fluid? I'll agree
3 that that is a possibility, a possibility,
4 but would that have abated her pulmonary
5 edema due to sepsis? Absolutely not.

6 Q. But she would have lived.

7 A. They never had a chance to treat her
8 sepsis because they didn't know that it was
9 there.

10 Q. They never had a chance to treat her
11 sepsis because she died of dehydration.

12 A. No. No, sir.

13 Q. That's the opinion of my experts.

14 A. Well, but, in all due respect, sir,
15 they're wrong. They're wrong --

16 Q. Well --

17 MR. KELLEY: Objection.

18 A. -- because she died of sepsis. She
19 died of sepsis. She died of sepsis, and
20 further, I'm saying that she had a specific
21 type of sepsis called ARDS. But I will
22 agree with your -- with your consultants
23 that she died of sepsis.

24 Now, let's say, hypothetically, that

1 when she walked in the door, Dr. Gordon
2 said, you know something, you look septic to
3 me; I'm going to start a great big IV on you
4 and I'm going to pour in some antibiotics.
5 In one hour, hour and a half, two-hour
6 period of time, sir, that wouldn't have made
7 any difference. I mean, you've got to have
8 a little time to treat these things.

9 Q. What about pouring in some fluids?

10 A. Well, the fluid would have treated
11 her dehydration to some degree, but it would
12 not have aborted her sepsis.

13 The sepsis was, in my opinion, cast
14 in stone from the minute she walked in. She
15 had a perforated carcinoma of the colon.
16 She had necrosis of the tumor. She had some
17 degree of localized peritonitis. She had a
18 little bit of fluid there on that CAT scan,
19 which is not surprising, a little bit of
20 localized fluid. This woman had fibrin in
21 her lung, in her -- on her microscopic. She
22 had noncrepitant lungs. She had pulmonary
23 edema.

24 And you can see from the -- from

1 the -- and this is the paradox. This --
2 this is the thing that's fascinating about
3 this. As dry as you think she was, she
4 still dumped fluid into her lungs, the fluid
5 that came from her blood, so --

6 Q. Which would have dehydrated her even
7 more, correct?

8 A. Well, but, you see --

9 Q. Is that true?

10 A. Well, but, if you can't breathe --
11 see, if you have a choice between being well
12 oxygenated and having a low blood pressure,
13 as opposed to having no oxygen and having a
14 normal blood pressure, take the first one,
15 because without oxygen, you're not going to
16 last very long.

17 Q. Then based on what you're saying, it
18 would have been very smart to have intubated
19 her long before she was on the -- on her
20 death bed.

21 A. Well, it would have been smart to
22 intubate her at the time that it was
23 indicated.

24 Q. And that was long before 9:30,

1 wasn't it?

2 A. Well, how much longer? At 9:05,
3 according to the record, when she complained
4 of shortness of breath, her pulse oximeter
5 showed a 97-percent saturation on room air,
6 and they gave her oxygen in regard to that.
7 I mean, if my pulse oximeter is 97 percent,
8 why do I need oxygen?

9 Q. That's a good question.

10 A. That's a good question. Somebody
11 gave it to her empirically because they
12 thought it might help her breathing some.
13 Totally off the wall, nonscientific. Who
14 did that? One of the nurses did that. I
15 don't see any order from the doctor that he
16 did that. One of the nurses did that,
17 because I guess that's what they do.

18 But the fact of the matter is the --
19 the -- the deterioration here, where the --
20 where the -- from the time that she started
21 exhibiting shortness of breath, where she
22 got the nasal oxygen at 9:05, to the time
23 that she was intubated is only 30 minutes.
24 That is -- that doesn't give you much lead

1 time. Doesn't give you much lead time, and
2 she was not in full arrest at the time that
3 he intubated her.

4 It doesn't have any readings on the
5 record. I'm assuming that when they
6 intubated her they gave her oxygen under
7 pressure with some kind of a ventilator or
8 with a Ambu-bag, but most -- most -- and the
9 other thing that intubation does is it helps
10 pulmonary edema to some degree.

11 But here's the point, the point of
12 it is that, you know, the course of this
13 lady, I mean, it's as if she stepped on a
14 banana peel. I mean, this woman went down,
15 and she went down just like that.

16 Q. Right. So when they started the
17 fluids at 7:45, they had an hour and 15
18 minutes until 9:00, until this precipitous
19 decline, correct, to give her fluids, if
20 they had wanted to?

21 A. Sir, I don't see in the record where
22 that -- that delay materially changed
23 things. Her blood pressure, which we now
24 know, in retrospect, was lower than it was

1 back in April, only went lower by a little
2 bit more. Her mental capacity was still
3 there: She's still awake, alert,
4 conversing, doing all those things.

5 Between 8:30 and 9:00, she made two
6 trips to the bathroom. I mean, it doesn't
7 say there that they had to lift her with
8 some lifting device to go into the bathroom.
9 At some point she was able to still be
10 mobile to some degree.

11 So what I'm having a tough time with
12 is putting this woman into the, you know,
13 ultra, ultra sick category. I mean -- and
14 further, here's somebody standing off to the
15 side, and they're looking at this woman;
16 they're saying yeah, she's got fever; yeah,
17 she's short of breath; yeah, she's hurting.
18 But look at this: She's still talking,
19 she's awake, she's alert, she's oriented,
20 she's able to go to the bathroom.

21 Q. Okay.

22 A. How sick -- how sick is she? This
23 is -- I mean, you know, I think we can argue
24 about that, Counselor.

1 MR. GUION: Yeah, we could. Okay.

2 I have no further questions.

3 MR. KELLEY: No questions.

4 MR. ROBERTSON: Nothing further.

5 Doctor, by point of procedures here,
6 secondly, you have a right to review the
7 transcript of this deposition to make sure
8 it was accurately transcribed. Of course,
9 it's on videotape, so you have the right to
10 say whether you'll waive that requirement
11 under the circumstances.

12 THE WITNESS: I'd like to review it
13 if a copy -- if a copy could be made
14 available to me.

15 MR. ROBERTSON: All right. We'll do
16 that, but we've got to do it quickly,
17 because the trial is next week.

18 THE WITNESS: Oh, okay. I'm pretty
19 confident that the answers that I gave
20 were -- are not going to be changed, so if I
21 don't get it, okay. I always like to review
22 it, because I sometimes feel that I don't
23 understand some of these questions, and I
24 wouldn't want to mislead anybody.

1 MR. GUION: By the way, this is the
2 book you looked at.

3 THE WITNESS: Where did you get the
4 little, cheap version?

5 MR. GUION: This is the cheap
6 version?

7 THE WITNESS: Are you off the
8 record?

9 THE VIDEOGRAPHER: Not yet. I'm
10 sorry. This is the end of the videotape
11 deposition of Dr. Francis E. Barnes, taken
12 Monday, June 4th, 2001, consisting of two
13 VHS formatted tapes. We're off the record
14 at 1:02 p.m.

15 (Off the record discussion.)

16 MR. ROBERTSON: Can we agree before
17 the court reporter here that I can retain
18 the original of the tape before the trial
19 and we don't have to file it, the abridged
20 version.

21 -=O=-

22 (Deposition Exhibit 2 marked.)

23 -=O=-

24 Thereupon, the testimony of

1 June 4, 2001, **was** concluded at 1:05 p.m.

2 --O--

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

1 *Attach to the deposition of Dr. Francis
2 Barnes

3 John M. Karaba vs. Parma Community General
4 Hospital
5 Case No. 408025

6 STATE OF OHIO: SS:

7 COUNTY OF _____

8 I, Dr. Francis Barnes, do hereby
9 certify that I have read the foregoing
10 transcript of my deposition given on June 4,
11 2001; that together with the correction page
12 attached hereto noting changes in form or
13 substance, if any, it is true and correct.

14 _____
15 I do hereby certify that the
16 foregoing transcript of Dr. Francis Barnes
17 was submitted for reading and signing; that
18 after it was stated to the undersigned
19 Notary Public that the deponent read and
20 examined the deposition, the deponent signed
21 the same in my presence on the _____ day of

22 _____, 2001.

23 _____
24 NOTARY PUBLIC
 My commission expires:

1 CERTIFICATE

2 STATE OF OHIO

SS:

3 COUNTY OF FRANKLIN :

4 I, Dawn M. Morrison, a Notary
5 Public in and for the State of Ohio, duly
6 commissioned and qualified, do hereby
7 certify that the within-named Dr. Francis
8 Barnes was first duly sworn to testify to
9 the truth, the whole truth, and nothing but
10 the truth in the cause aforesaid; that the
11 testimony then given was reduced to
12 stenotypy in the presence of said witness,
13 afterwards transcribed; that the foregoing
14 is a true and correct transcript of the
15 testimony; and that this deposition was
16 taken at the time and place in the foregoing
17 caption specified.

18 I do further certify that I am not
19 a relative, employee, or attorney of any of
20 the parties hereto, and further that I am
21 not a relative or employee of any attorney
22 or counsel employed by the parties hereto or
23 financially interested in the action.

24 In witness whereof, I have
hereunto set my hand and affixed my seal of
-office: Columbus, Ohio, on this 6th day
of June, 2001.

18 Dawn M. Morrison
19 Dawn M. Morrison
20 Notary Public, State of Ohio

21 My commission expires: 02-16-2005

22

23

24

25

CURRICULUM VITAE OF FRANCIS E. BARNES, M.D., F.A.C.S.

BORN - Tillsonburg, Ontario, Canada 1938

PRIMARY EDUCATION - Aylmer, Ontario, Canada 1944 - 1954

PREMEDICAL EDUCATION - University of Western Ontario 1954 - 1956

MEDICAL SCHOOL - University of Western Ontario 1956 - 1960 M.D.

INTERNSHIP - 1960 - 1961 - Rotating - Victoria Hospital, London, Ontario
1961 - 1962 - Surgical - Grant Hospital, Columbus, Ohio

RESIDENCY - Marquette University - combined Surgical Residency
Program 1962 - 1966
Chief Resident - Milwaukee, Wisconsin 1966 - 1967

BOARD CERTIFIED - American Board of Surgery 1967
American College of Surgeons 1971 Fellow - F.A.C.S.

PRACTICE - Columbus, Ohio 1967 to present
300 E. Town Street General & Peripheral Vascular Surgery

LICENSED - Ohio, Wisconsin, Ontario

HOSPITAL APPOINTMENTS -

Active Staff - Grant Hospital, Columbus, Ohio
Active Staff - Mt. Carmel Hospital, Columbus, Ohio
Active Staff - St. Ann's Hospital, Westerville, Ohio
Courtesy Staff - Ohio State University Hospital, Columbus, Ohio

FACULTY APPOINTMENTS -

Clinical Instructor in Surgery, Marquette University 1966 - 1967
Clinical Instructor in Surgery, Ohio State University 1972 to present

PROFESSIONAL SOCIETIES -

Academy of Medicine of Columbus & Franklin County
Columbus Surgical Society, President - 1989
Ohio State Medical Association
American College of Surgeons
American College of Surgeons, Ohio Chapter
American Society of Outpatient Surgeons
Society of Laparoscopic Surgeons
Hungarian Medical Association of America
American Hernia Society - Charter Member

EXHIBIT

BARNES #1
6-4-01 Am

CURRICULUM VITAE OF FRANCIS E. BARNES, M.D., F.A.C.S.

COUNCIL APPOINTMENTS - PAST

Council Member - Academy of Medicine
Council Member - Columbus Surgical Society

COMMITTEE APPOINTMENTS - PAST

Grant Hospital: Chairman, Department of Surgery
Grant Hospital: Surgical Care Appraisal Committee
Grant Hospital: Chairman, Utilization Review Committee
Grant Hospital: Emergency Room Committee
Grant Hospital: Executive Committee
Grant Hospital: Joint Conference Committee
Grant Hospital: Chairman, Operating Room Committee
Grant Hospital: Medical Forms Committee
Grant Hospital: President, Medical Staff
Grant Hospital: Chairman, Trauma Committee
Grant Hospital: Director, Trauma Services
Grant Hospital: Director, Utilization Review
Grant Hospital: Quality Assurance Committee
Mt. Carmel Hospitals: Surgical Care Appraisal Committee
Mt. Carmel Hospitals: Utilization Review Committee
Mt. Carmel Hospitals: Emergency Room Committee
St. Ann's Hospital: Utilization Review Committee
St. Ann's Hospital: Quality Assurance Committee
Ohio Health Choice Plan: Medical Advisory Board

BIBLIOGRAPHY

- 1> **MALIGNANT LESIONS OF THE COLON, RECTUM, AND ANUS**
Timothy Yeatman, M.D., Kirby I. Bland, M.D., Edward M. Copeland III, M.D.
Surgical Treatment of Digestive Disease, Second Edition, Chapter 46.
- 2> **THE SURGICAL MANAGEMENT OF OBSTRUCTION AND PERFORATION OF THE LEFT COLON**
J. R. McGregor, M.D., P. J. O'Dwyer, MCH
Surgery, Gynecology & Obstetrics, Aug. 1993, Volume 177, pg. 203-210
- 3> **PERFORATED COLONIC CANCER. EVOLUTION AND PROGNOSIS**
F. Alcobendas, R. Jorba, I. Poves, J. Busquets, A. Engel, and E Jaurrieta
[1130-0108 (2000) 92:5 pp 330-333], Revista Espanola De Enfermedades Digestivas.
- 4> **OBSTRUCTIVE AND PERFORATIVE COLONIC CARCINOMA: PATTERNS OF FAILURE**
Christopher Willett, Joel Tepper, Alfred Cohen, Erica Orlow, Claude Welch
Journal of Clinical Oncology, Vol 3, No 3, March 1985, pp 379-384.
- 5> **OBSTRUCTION AND PERFORATION COMPLICATING COLORECTAL CARCINOMA**
Lauri Kyllonen
Acta Chir Scand 153: 607-614, 1987
- 6> **PENETRATING, OBSTRUCTING, AND PERFORATING CARCINOMAS OF THE COLON AND RECTUM**
William Kelley, Jr., M.D., Peter Brown, M.D., Walter Lawrence, Jr., M.D., Jose Terz, M.D.
Archives of Surgery, Vol 116, April 1981, pp 381-384.
- 7> **PERFORATION OF COLONIC NEOPLASMS**
J. Badia, A. Sitges-Serra, J. Pla, J. Marti Rague, F. Foqueta, A. Sitges-Creus
Colorectal Disease, International Journal, 1987 2:187-189
- 8> **OUTCOME AFTER EMERGENCY SURGERY FOR CANCER OF THE LARGE INTESTINE**
N. Runke, P. Schiag, V. Schwarz, C. Herfarth
Journal of Surgery, 1991, Vol. 78, February, 183-188
- 9> **ADULT RESPIRATORY DISTRESS SYNDROME: CURRENT CONCEPTS**
Robert Demling, M.D.
New Horizons, Vol. 1, No. 3, pp 388-401
- 10> **ADULT RESPIRATORY DISTRESS SYNDROME: A DISORDER IN NEED OF IMPROVED OUTCOME**
Robert Brandstetter, M.D., Kailash Sharma, M.D., Maryanne DellaBadia, RN, Lorie Cabrerros, M.D., Gary Kabinoff, M.D.
Heart & Lung, Vol. 26, No. 1, pp 3-14.

EXHIBIT

BARNES #2
6-4-01 DM