

TRANSCRIPT OF PROCEEDINGS

IN THE SUPERIOR COURT FOR THE DISTRICT OF COLUMBIA  
CIVIL DIVISION

MARIAH KELLER,

Plaintiff,

v.

CYTOLOGY SERVICES OF MARYLAND,  
INC., et al.,

Defendants.

Civil Action No. 01CA008316

Deposition of JAMES F. BARTER, M.D.

Pages 1 through 91

Washington, D. C.  
April 22, 2003

MELIFF REPORTING COMPANY, INC.  
115 8th Street, S.E.  
Washington, D.C. 20003  
(202) 546-6666

5/15/2003 1:02 PM  
DISK  
ENCLOSED

at  
AT

1

SUPERIOR COURT OF THE DISTRICT OF COLUMBIA  
CIVIL DIVISION

- - - - -X  
:  
MARIAH KELLER, :  
:  
Plaintiff, :  
:  
vs. : Civil Action  
: No. 01CA008316  
CYTOLOGY SERVICES OF MARYLAND: :  
INC., et al., :  
:  
Defendants. :  
:  
- - - - -X

Washington, D.C.  
Tuesday, April 22, 2003

)  
The deposition of JAMES F. BARTER, M.D.,  
called for examination by counsel for Plaintiff in  
the above-entitled matter, pursuant to Notice, at  
Sibley Memorial Hospital, Hayes Hall Conference  
Room 1, Washington, D.C., convened at 6:14 p.m.,  
before Alice Toigo, a notary public in and for the  
District of Columbia, when present on behalf of the  
parties:

at

2

APPEARANCES:

On Behalf of the Plaintiff:

KAREN E. EVANS, ESQ.  
Jack H. Olender & Associates  
888 17th Street, N.W.  
Fourth Floor  
Washington, D.C. 20006  
202 879-7777

On Behalf of the Defendant, Cytology Services  
of Maryland, Inc.:

ANDREW E. VERNICK, ESQ.  
Wharton, Levin, Ehrmantraut & Klein  
104 West Street  
Annapolis, Maryland 21404  
410 263-5900

On Behalf of the Defendant,  
Melody Abraham, M.D.:

PATRICIA M. TAZZARA, ESQ.  
Montedonico, Belcuore & Tazzara  
1020 19th Street, N.W.  
Suite 420  
Washington, D.C. 20036  
202 296-1322

at

3

## C O N T E N T S

<u>WITNESS</u>	<u>EXAMINATION BY COUNSEL FOR</u>	<u>PLAINTIFF</u>	<u>DEFENDANTS</u>
JAMES F. BARTER, M.D.	4	-	-

### EXHIBITS

<u>BARTER DEPOSITION</u>	<u>MARKED</u>
No. 1	4
No. 2	8
No. 3	24
No. 4	63

at

4

P R O C E E D I N G S

[Barter Deposition Exhibit 1  
was marked for  
identification.]

Whereupon,

JAMES F. BARTER, M.D.

was called as a witness and, having been first duly  
sworn by the Notary Public, was examined and  
testified as follows:

EXAMINATION BY COUNSEL FOR PLAINTIFF

BY MS. EVANS:

Q Would you give us your full name, please.

A James. F. Barter.

Q Dr. Barter, I am Karen Evans. I am going  
to be taking your deposition today. You will need  
to give verbal responses, yes or no instead of nods  
of head, because, believe it or not, she writes  
down "Nods head," and we never know whether that is  
a yes or a no.

A Okay.

Q Have you had your deposition taken before?

A Yes.

1 Q How many times?

2 A It would be hard to estimate. I get  
3 deposed once or twice a year. That has been over  
4 the last five years. Then, prior to that, it had  
5 been maybe once a year going back to about seven or  
6 eight years ago.

7 Q So, for the past twelve years, you have  
8 been deposed at least once a year?

9 A I would say maybe ten.

10 Q Let me have your home and business  
11 address, please.

12 Q The home address is 5968 Searl Terrace,  
13 Bethesda, Maryland 20816. Our business address is  
14 that, if you wanted to--

15 Q You can just tell us.

16 A It is 9715 Medical Center Drive, Suite  
17 230, Rockville, Maryland 20850.

18 Q What is the name of the facility that you  
19 work with?

20 A I work now in a group called Women's  
21 Health Specialists.

22 Q That is the card you gave me?

1 A Yes.

2 Q What address is this, this 9715 Medical  
3 Center Drive?.

4 A That is our main office.

5 Q What does the A stand for?

6 A It is just an insignia.

7 Q You said that the address at 9715 Medical  
8 Center Drive is the main office?

9 A Yes.

10 Q Is that where you spend the bulk of your  
11 time?

12 A I am there one day a week. I see patients  
13 in this building one day a week.

14 Q This building being?

15 A Hayes Hall. We sublet an office. Then I  
16 am in the operating room the rest of the days.

17 Q You say you spend one day at the main  
18 office address in Rockville and then one day here  
19 at Hayes Hall near Sibley. Is it part of Sibley?

20 A It is part of Sibley.

21 Q Then the other three days you are in the  
22 OR?

at

7

1 A Yes.

2 Q Is that at Georgetown?

3 A It could be Georgetown, Sibley, Suburban,  
4 Arlington, a number of hospitals.

5 Q What is your area of specialty?

6 A I am a GYN-oncologist.

7 Q So when you are in the OR, you are doing  
8 what kind of procedures?

9 A Surgical procedures in the pelvis.

10 Q Do you remove cancers?

11 A Yes, or sometimes benign problems as well.

12 Q Is any part of your practice dedicated to  
13 just regular routine GYN patients?.

14 A It is very, very small. I do have some  
15 patients that I do routine GYN, but most people get  
16 referred with a problem.

17 Q What percent of patients would be like  
18 routine GYN?

19 A 1 or 2 percent.

20 Q So the patients that you see in Rockville  
21 and here at Sibley, those are patients who have  
22 some sort of problem in their pelvic area?



at

8

1 A Yes.

2 Q I was provided with a copy of your  
3 curriculum vitae.

4 MS. EVANS: Let's have this marked as  
5 Exhibit No. 2.

6 [Barter Deposition Exhibit 2  
7 was marked for  
8 identification.]

9 BY MS. EVANS:

10 Q Can you look at that and tell me if it is  
11 current and up to date?

12 A This is updated except for the change in  
13 my business address.

14 Q I also notice that it said, "Hospital  
15 Appointments, D.C. General."

16 A That is defunct.

17 Q Let me show you that page of your C.V. Is  
18 everything else current?

19 A The hospitals on that page; yes.

20 Q Have you written any papers relating to  
21 the diagnosis or treatment of cervical cancer that  
22 are not listed here in your C.V.?

at

9

1 A No.

2 Q Your C.V. indicates that you have a  
3 faculty appointment at the Lombardy Cancer Center.

4 A Yes.

5 Q It also says you are associate professor  
6 of obstetrics and gynecology.

7 Q I was. I was a full professor. Now I am  
8 a clinical professor.

9 Q How should this read?

10 A This should be--Associate Professor should  
11 have an end date on there.

12 Q What would be the end date?

13 A Do you have the next page? I don't  
14 remember when I was full professor. That needs to  
15 be updated. I was a full professor two or three  
16 years ago, three years ago.

17 Q Two or three years ago would be 2000?

18 A It was right at the time that MedStar came  
19 into Georgetown. I think that was about three  
20 years ago.

21 Q So that would have been 2000?

22 A Yes.

1 Q So you are a full professor of obstetrics  
2 and gynecology.

3 A Yes.

4 Q What about your appointment at Lombardy  
5 Cancer Center. Do you still hold that?

6 A I guess as a clinical professor, I would.  
7 I don't know if I still have an appointment at  
8 Lombardy, per se.

9 Q What type of professor; is it associate?  
10 What is it called? Is it just professor of  
11 obstetrics and gynecology?

12 A Well, GYN-Oncology.

13 Q What do you do in that capacity?

14 A What I did at Georgetown was basically  
15 clinical practice, saw patients, when I was on the  
16 faculty at Georgetown. I just left February 1.

17 Q There we go. That bridged the gap for me.

18 A Sorry. What I did there was basically saw  
19 patients, took care of patients.

20 Q You left February of this year. So now do  
21 you have any academic responsibilities anywhere?

22 A No.

at

11

1 Q And you are not sure if you still hold a  
2 clinical faculty appointment at the Lombardy Cancer  
3 Center?

4 A I don't think that I have a faculty  
5 affiliation with Lombardy. I still am a clinical  
6 professor in GYN-Oncology through the Department of  
7 OB-GYN. But I think to have an appointment at  
8 Lombardy, you have to be full time. And I am not.

9 Q You said you are clinical professor at  
10 Georgetown.

11 A Yes.

12 Q What do you do in that capacity?

13 A Basically, I bring patients there and do  
14 surgery at Georgetown, admit them in the hospital  
15 for problems and teach the residents through that  
16 mechanism.

17 Q Oh, okay. You are an attending to the  
18 residents there?

19 A Yes.

20 Q That are going through Georgetown?

21 A Yes.

22 Q So you don't have any teaching

1 responsibilities. You are no longer teaching  
2 classes and things of that nature.

3 A No.

4 Q Do you still hold licensures in all of  
5 these places; Kentucky, North Carolina, Alabama,  
6 Maryland, Virginia, D.C.?

7 A Just in Maryland, Virginia and D.C. The  
8 others were with training.

9 Q November, '97, there is an award here;  
10 First Place Scientific Presentations, Advisor,  
11 Georgetown University, Resident Research  
12 Presentations, "AGUS " Pap Smears. Was that ever  
13 reduced to writing?

14 A Unfortunately, it was never published.

15 Q That doesn't answer my question. Was it  
16 ever reduced to writing? Was there a written  
17 something?

18 A No; there wasn't an article associated  
19 with it. It was just a presentation.

20 Q An oral presentation?

21 A Yes.

22 Q I am going to ask you about two of the

1 abstracts here. No. 4; was that a writing?

2 A There is an article that is associated  
3 with that in my C.V.

4 Q Can you tell me which one? And do the  
5 same thing with No. 5, if there is an article  
6 associated with it.

7 A That actually would be same article. They  
8 were different presentations but the same article  
9 came out of that. That is Article 16.

10 Q What about No. 15 here, an abstract. Was  
11 that reduced to a writing, an article of some sort?

12 A Yes.

13 Q Which one is that?

14 A It was No. 15; oh, that is the  
15 presentations. It was in GYN-Oncology. I don't  
16 see that on my bibliography.

17 Q Do you know what year?

18 A In the early '90's.

19 Q That was No. 15?

20 A On the presentations; yes.

21 Q I see that there are two additional  
22 presentations, 28 and 29. I think they appear to

1 be the same.

2 A Yes; it is just that they are presented at  
3 different places.

4 Q They are nice places, by the way. Was  
5 there a writing, an article, that came out of  
6 these?

7 A That should be in the bibliography. But  
8 it doesn't appear in the bibliography.

9 Q Do you know where it was published?

10 A Again, in GYN-Oncology. Dr. Barnes was  
11 the lead author on that.

12 Q Would the title be the same as the  
13 presentation?

14 A Yes.

15 Q What year was that; '92 or '93?

16 A Yes. It would have been a couple of years  
17 after that.

18 Q No. 38; was that presentation written up  
19 in an article?

20 A No; that was not written. That was just a  
21 presentation.

22 Q Would that have to do with that award? Is

1 this the one, the Residents Award?

2 A If I am not mistaken, it is different. I  
3 think it was different than the presentation that  
4 won the award.

5 Q What was this seminar about? What is the  
6 clinical significance of the "AGUS" pap smear?

7 A This was, as I recall, just a presentation  
8 that the resident did and looked back at the AGUS  
9 experience at Georgetown at that time.

10 Q There was no writing out of that?

11 A No.

12 Q Do you remember what the experience was at  
13 Georgetown?

14 A Not specifically, no.

15 Q Generally, what do you remember?

16 A That AGUS can be associated with  
17 dysplasia.

18 Q Let me show you the articles here and your  
19 book chapters. Can you just circle for me the ones  
20 that are related to the issues in this case; in  
21 other words, if they have something to do with  
22 cervical cancer, just circle them for me.



1 A Anything to do with cervix cancer?

2 Q Yes.

3 A [Marking document.] These are the ones  
4 that are related to cervix cancer.

5 Q Did you do the non-peer review and the  
6 book chapters?

7 A Yes.

8 Q You are board-certified in what area?

9 A OB-GYN and GYN-oncology.

10 Q Did you pass your board certification in  
11 both areas the first time?

12 A Yes.

13 Q Is it two parts, written and oral?

14 A Yes.

15 Q You passed both the written and the oral  
16 portion of the first attempt?

17 A Yes.

18 Q You told me earlier that you were at GYN-  
19 oncologist. Within that field of specialty, do you  
20 have any special interests?

21 A Not per se; no.

22 Q The reason I ask is because I notice that

at

17

1 there were a lot of articles, or at least more than  
2 one article, about Groshong catheters and washings.

3 A It is a potpourri. There is not one  
4 specific area. It is a lot of different things in  
5 GYN-oncology and GYN-surgery.

6 Q Has your license ever been revoked or  
7 suspended?

8 A No.

9 Q You told me earlier about the  
10 publications. You had some articles that were  
11 published in I think GYN-Oncology.

12 A Yes.

13 Q Do you consider that an authoritative or  
14 reliable source?

15 MR. VERNICK: Let me object. Do you mean  
16 whatever is published in there at any point in  
17 time?

18 THE WITNESS: No; I don't consider it  
19 authoritative.

20 BY MS. EVANS:

21 Q Is it a good reference source, that  
22 publication?

1 MR. VERNICK: Let me object to what you  
2 mean by "good reference source?"

3 THE WITNESS: There is good information in  
4 all of the periodicals.

5 BY MS. EVANS:

6 Q Are you on the boards of any particular  
7 journals?

8 A No.

9 Q Did you used to be at one time on the  
10 editorial board?

11 A No.

12 Q You have written numerous publications in  
13 GYN-Oncology.

14 A A fair amount; yes.

15 Q That is a peer-reviewed publication?

16 A Yes.

17 Q Does that make it more reliable because it  
18 is a peer-reviewed journal than, say, the non-peer-  
19 reviewed journals?

20 A Not necessarily.

21 Q Is it of any value that your articles,  
22 some of them, are peer-reviewed and some are not?

1           A     It is of academic value.  There are good  
2 non-peer review articles as well.

3           Q     What do you mean by "good academic value?"

4           A     From an academic standpoint, if something  
5 is published in something that is peer-reviewed,  
6 that carries more weight.  But that is not to say  
7 that you can only read peer-reviewed journals.

8           Q     Are there any textbooks that are good  
9 standard textbooks?

10          A     Yes.

11          Q     Which are they?

12          A     In GYN-Oncology?

13          Q     Yes.

14          A     Hoskins is good.  Disiai, Creasman.

15          Q     Those are three textbooks?

16          A     Disiai and Creasman are together.  Bill  
17 Hoskins is the other text.

18          Q     Any other textbooks?

19          A     I think those are the main ones for GYN-  
20 Oncology.

21          Q     Have you done any reports in this case?

22          A     No.

1 Q Made any writings whatsoever?

2 A No.

3 Q About this case.

4 A No.

5 Q Have you generated a bill for your time?

6 A I have probably sent one.

7 Q Do you have that?

8 A No; I don't.

9 Q How many hours have you spent on this case  
10 thus far?

11 A I have probably spent about ten or eleven  
12 at this point.

13 Q What is your hourly fee?

14 A \$350 an hour.

15 Q That is for review of records?

16 A Yes.

17 Q What is your fee for deposition?

18 A \$450 an hour.

19 Q If you come to trial to testify?

20 A It is \$5,000 a day that I am out of the  
21 office.

22 Q Suppose you are out half a day?

1           A     It depends on if I have to book out the  
2 whole day.

3           Q     Do you typically book out the whole day  
4 for trial testimony?

5           A     Generally, unless somebody can guarantee  
6 me that--as a courtesy, I do that.

7           Q     How many times have you testified at  
8 trial?

9           A     I testify in trial about once a year, once  
10 every year, year and a half, or so.

11          Q     So, for every deposition, you testify at  
12 trial?

13          A     No. It just seems like I do a couple  
14 depositions a year and I am in court every year,  
15 year and a half, maybe two years.

16          Q     Have you worked on any cases with Mr.  
17 Vernick's firm prior to this one?

18          A     Yes; I have.

19          Q     On how many occasions?

20          A     I know one case that went to court.

21          Q     What case was that?

22          A     I don't recall the name of the case, but

1 it was, I guess, ten years ago.

2 Q How many times have you worked with Mr.  
3 Vernick's firm prior to this occasion? You know of  
4 at least two?

5 A Yes. The name is familiar. I don't  
6 really recall any others that I have done work for  
7 with them.

8 Q What about with Ms. Tazzara or her firm?

9 A Yes; I have some cases from her firm as  
10 well.

11 Q On how many occasions, do you know?

12 A I would guess a handful over the last ten  
13 years or so.

14 Q Five?

15 A Probably three to five.

16 Q Have you received any records that you  
17 reviewed and returned to Mr. Vernick?

18 A No.

19 Q Are you able to tell me when you first  
20 were contacted in this case?

21 A Not without looking at these letters.

22 Q Most of them don't have dates on them.

at

23

1 A The earliest date is June, '02.

2 Q You were contacted by Debbie Sinclair?

3 A I don't recall who contacted me.

4 Q Let me show you two documents. They are  
5 both the Defendants' 26(b)(4) statement. This is  
6 the first one which outlines the areas of your  
7 expected testimony. Here is the second one. Read  
8 through that and I will ask you a couple of  
9 questions. Just read through the ones that have  
10 your name.

11 A Okay.

12 Q Before we started the deposition, I went  
13 through the materials that you have reviewed and we  
14 marked as Exhibit No. 1 an Index to the Medical  
15 Records. Did you review all of the documents  
16 identified in Exhibit 1?

17 MR. VERNICK: Counsel, the only exception  
18 I will add is that there was a reference to some  
19 work-product things that were not in there. There  
20 are sections that are there, for example, just  
21 looking from the side, like "E) Histology Worklogs"  
22 and there was a reference to "Work Product." There



1 was something in addition to that he didn't get,  
2 but you have got everything that he got.

3 THE WITNESS: I have reviewed everything  
4 in this stack, or looked through it.

5 BY MS. EVANS:

6 Q In the stack, there are, I think, three  
7 depositions, maybe three or four depositions;  
8 Mariah Keller, Dr. Lin, Dr. Sokol and Dr. Levitt.

9 A Yes.

10 Q Did you review any other depositions?

11 A No.

12 Q Have you seen any other medical records  
13 that are not identified on that sheet?

14 A No.

15 MS. EVANS: Let's have this marked as  
16 Exhibit 3.

17 [Barter Deposition Exhibit 3  
18 was marked for  
19 identification.]

20 BY MS. EVANS:

21 Q I will hand you what we have marked as  
22 Exhibit No. 3 which is entitled, "Second

1 Supplemental 26(b)(4) Statement of Defendant  
2 Cytology Services of Maryland." I asked you to  
3 read No. 2 and the information contained under No.  
4 2. Have you had a chance to do that?

5 A Yes.

6 Q Is there anything about the statements  
7 that are contained under No. 2 which reference the  
8 expected areas of your testimony that you would  
9 like to change?

10 A No.

11 Q The first sentence here in Exhibit No. 3  
12 says, "In addition to the previously identified  
13 opinions of Dr. Barter, it is expected that he will  
14 testify about the course of care and treatment that  
15 the Plaintiff would have received had the cancer  
16 been diagnosed in January, 1999, January, 2000 and  
17 September, 2000." Is that accurate?

18 A Yes.

19 Q What is your opinion with regard to the  
20 course of care and treatment that Mariah Keller  
21 would have received had the cancer been diagnosed  
22 in January of 1999?

1           A     Backtracking from the size of the tumor  
2 and her symptoms, she had cancer in January of 1999  
3 and would have needed a radial hysterectomy at that  
4 time and, more probably than not, would have gotten  
5 adjunctive therapy as well.

6           Q     So it is your opinion that she did have  
7 cancer in January of 1999?

8           A     Yes, ma'am.

9           Q     By adjunctive therapy, what do you mean?

10          A     The radiation and platinum.

11          Q     Cispatin?

12          A     Yes.

13          Q     Was this the therapy that she received in  
14 2001?

15          A     Yes.

16          Q     What is the basis for your opinion that  
17 she would have required a radical hysterectomy and  
18 adjunctive therapy since she had cancer in January  
19 of 1999?

20          A     I am predicating that upon the fact that,  
21 in January of '01, she had a very large cervical  
22 cancer and that, in March of 2000, she had post-

1 coital bleeding. Backtracking that, she would have  
2 had cervical cancer in January of 1999.

3 Q Do you believe it was present prior to  
4 January, 1999?

5 A Yes.

6 Q How far back do you think she had cancer?

7 A I would say probably a year before that,  
8 maybe a year or two. And prior to that, she would  
9 have had preinvasive disease.

10 Q So it is your opinion that she had cancer  
11 as early as 1997 or 1998?

12 A I would say a year or two before January,  
13 1999; yes.

14 Q So that would be '97 or '98?

15 A Yes.

16 Q In 1997, what stage cancer do you believe  
17 she had?

18 A At that point, it would have been  
19 precancer or possibly early invasion.

20 Q When you say "precancer," are you talking  
21 about carcinoma in situ?

22 A Or dysplasia; yes. But probably carcinoma

1 in situ.

2 Q Again, what is the basis for that opinion?

3 A The fact that she ended up with a very  
4 large tumor that was in the endocervix, a barrel  
5 lesion, as we call it, and the fact that she bled  
6 after intercourse in March of 2000.

7 Q What is it about the fact that she had  
8 bleeding after intercourse in March of 2000 that  
9 tells you the cancer was present as early as 1997?

10 A She must have had a substantial size of  
11 the lesion to bleed.

12 Q So you believe that she had a visible  
13 lesion in March of 2000?

14 A No; she didn't have a visible lesion  
15 because it was up in the canal.

16 Q A part of the cervix that would not have  
17 been visible upon a cervical exam?

18 A Grossly, the ectocervix would have looked  
19 normal.

20 Q Grossly, it would have appeared normal.  
21 But, microscopically, the cancer would have been  
22 evident?

1           A     Grossly, the outside of the cervix would  
2 have looked normal. Grossly, the canal would have  
3 had a cancer in it.

4           Q     Are you saying that, if a physician was  
5 able to visualize the canal, they would have seen  
6 the cancer?

7           A     Yes.

8           Q     But because I guess the technique doesn't  
9 allow visualization, it wasn't discovered?

10          A     Correct. It is up inside the canal, away  
11 from normal viewing.

12          Q     Is there some process by which the canal  
13 can be examined so that the cancer could have been  
14 diagnosed?

15          A     No; there is not. From a physical exam;  
16 no.

17          Q     What kind of exam?

18          A     From a physical exam, you couldn't. A  
19 hysterectomy specimen, you could.

20          Q     So, short of taking her cervix out and her  
21 uterus out, there would be no way to know?

22          A     That's correct.

1 Q When is the earliest time that you have an  
2 opinion that you can state with a reasonable degree  
3 of medical probability was the cancer grossly  
4 visible?

5 A I would say that grossly visible a year or  
6 so before. Let's say January, '98.

7 Q Because, by that time, it had moved  
8 further down into the cervix?

9 A You mean grossly visible?

10 Q Yes.

11 A Externally?

12 Q Upon physical examination.

13 A Upon physical examination. The first  
14 visible sign on the ectocervix is September 25 of  
15 2000.

16 Q What are you basing that on?

17 A On the notation, there is a small sessile  
18 polyp that, on a later exam, is an ulcerated area.

19 Q Did you see the January, 1999 office-visit  
20 note by Dr. Abraham?

21 A Yes.

22 Q What does the word "friable" mean?

1           A     It can mean a number of things. It could  
2 be inflammation. It could be an area of the cervix  
3 that was touched with the speculum when it was  
4 introduced.

5           Q     What else?

6           A     It can be malignancy.

7           Q     Are you able to rule out the possibility  
8 that, in January of 1999, what Dr. Abraham  
9 described as a friable cervix was, indeed, gross  
10 evidence of cancer?

11          A     The fact that it wasn't there in January  
12 of 2000.

13          Q     How do you know that?

14          A     It is not commented on.

15          Q     Does she comment on the cervix at all, or  
16 the appearance of the cervix?

17          A     Yes. She says it is nulliparous.

18          Q     Tell me what a nulliparous cervix looks  
19 like.

20          A     Small, healthy.

21          Q     If we were to look up "nulliparous" in a  
22 medical dictionary, what would it tell us?



1 A Not having had a pregnancy.

2 Q Does that tell you anything about whether  
3 or not the cervix was still, indeed, friable in  
4 2000?

5 A It is not noted as being friable in  
6 January of 2000.

7 Q But you will agree that nulliparous, as a  
8 description of a cervix, doesn't tell you anything  
9 in terms of what the cervix actually looked like,  
10 does it?

11 A There is no comment that there was  
12 friability.

13 Q Nor is there any comment that it wasn't  
14 present; correct?

15 A We don't usually mention negative  
16 findings. I see no evidence that there was  
17 friability in January of 2000.

18 Q So you think it just went away?

19 A Yes.

20 Q Do you use nulliparous to describe the  
21 appearance of the cervix?

22 A Sometimes.

1 Q In what context.

2 A In the course of an examination.

3 Q You reviewed the Pap smear reports from  
4 1997 and 1998; correct?

5 A I have looked at them.

6 Q So you are aware that they don't indicate  
7 the presence of cancer in 1997 or 1998?

8 A In February of '98, this looks to be  
9 normal. You said '97?

10 Q You said as early as 1997 or 1998, cancer  
11 may have been present. What is "present" in your  
12 opinion?

13 A Yes, but you mentioned about a Pap smear  
14 specifically.

15 Q Right; '97 and '98.

16 A I see the February '98 one. And then the  
17 February, '97 one, is noted as being normal.

18 MR. VERNICK: Negative benign cellular  
19 changes.

20 THE WITNESS: So, normal.

21 BY MS. EVANS:

22 Q How do you explain that difference?

1 MR. VERNICK: What difference?

2 BY MS. EVANS:

3 Q Between your opinion that she had cancer  
4 present in 1997 and 1998 and the lack of pathologic  
5 evidence that supports that?

6 A As you mentioned earlier, we don't have  
7 pathologic evidence. We have cytologic evidence on  
8 these reports that there wasn't evidence of cancer.  
9 That can certainly happen with something that  
10 starts up in the canal. They can be hard to pick  
11 up on a Pap smear.

12 Q Can you tell me how it is that you know  
13 that the cancer started up in the canal?

14 A Yes; because of the fact that it is a  
15 barrel lesion.

16 Q All barrel lesions start in the--in what  
17 canal?

18 A Endocervical canal.

19 Q So all barrel lesions start in the  
20 endocervical canal?

21 A Yes.

22 Q They all grow in size and bulk and remain

1 invisible to the naked eye on physical examination  
2 until it is too late?

3 A Well, I would say that until it erodes  
4 into the surface of the cervix. Then it can be  
5 seen on a vaginal exam.

6 Q How is it that you know that it was eroded  
7 into the surface of the cervix in September of  
8 2000?

9 A On the exam, there is mention of a sessile  
10 polyp. Then, on the next exam, there is mention of  
11 ulceration of the cervix with minimal bleeding.

12 Q Anything else?

13 A I think, at that point, it had eroded from  
14 the endocervix onto the surface of the cervix.

15 Q How do you know that it had not eroded  
16 onto the surface of the cervix prior to September  
17 23 of 2000?

18 A That there is no mention, there is no  
19 sustained mention, of that.

20 Q Are you suggesting that, because, in your  
21 opinion, Mrs. Keller's cancer started in the  
22 endocervical canal, that there was no way that a

1 Pap smear would detect the presence of that cancer  
2 until, was it March or September of 2000?

3 MR. VERNICK: Objection. Let me first  
4 tell you that he is not going to be rendering  
5 opinions about the Pap smear in this case. He is  
6 not going to be rendering any standard-of-care  
7 opinions.

8 But we have a Pap smear in this case in  
9 September of 2000 that I believe shows what, AGUS?  
10 As to what might happen in a hypothetical case, I  
11 don't know how that is relevant to this case. We  
12 have it in this case as to what was reported.

13 The way you phrased the question is in the  
14 context that he already answered it. And he has  
15 not answered it in the context of a Pap smear and  
16 he is not going to be asked to render an opinion  
17 about a Pap smear in this case.

18 But you can go ahead.

19 MS. EVANS: Can you let us hear the  
20 question again.

21 [Whereupon, the record was read back as  
22 requested..]

1 MR. VERNICK: Same objection.

2 THE WITNESS: No; I didn't suggest that at  
3 all. What I stated was that it is harder to pick  
4 up endocervical primary cancers.

5 BY MS. EVANS:

6 Q I guess what I am asking is, with an  
7 adequate Pap smear sampling, is it possible to pick  
8 up cancerous cells in the endocervical canal prior  
9 to the time that it is grossly visible?

10 A Yes.

11 MR. VERNICK: Karen, I am going to let you  
12 go down this path but he is not going to be  
13 rendering opinions about Pap smears, what they can  
14 pick up and what they can't pick up.

15 MS. EVANS: I understand that.

16 MR. VERNICK: Pretty soon, I am going to  
17 advise him to not to answer these questions.

18 MS. EVANS: Okay.

19 BY MS. EVANS:

20 Q It seems to me that your opinion is  
21 inconsistent with the Pap smear findings. I am  
22 trying to understand how it is that you reconcile

1 those two pieces of information.

2 A A lot of times, it is difficult to pick up  
3 an endocervical cancer and, a lot of times, if  
4 there is a cancer, the Pap smear doesn't always  
5 show it because the exterior surface of the cancer  
6 may have inflammation in other cells that obscure  
7 the cancer cells.

8 Q So is it your opinion that, in this case,  
9 because there was inflammation present, that that  
10 obscured the presence of cancer for Mrs. Keller in  
11 '97, '98, '99?

12 A It is my opinion that the Pap smear didn't  
13 pick up the cancer for the reasons I have stated.

14 Q I am trying to understand, is it because  
15 you believe that the inflammation obscured the  
16 presence of the cancer?

17 A And also, up in the canal, it can be  
18 difficult to access with Pap smears.

19 Q There was a drawing in here. Can you find  
20 that?

21 A Sure. Which drawing?

22 Q Not the one that was done by Dr. Jaffurs.

1 Where is the index? Do you know what this is?

2 MR. VERNICK: Let me object. He can  
3 answer as to what he thinks it is. But, as to why  
4 it was drawn or what it is, from Dr. Jaffurs'  
5 perspective, he can answer.

6 MS. EVANS: You didn't provide this to me.

7 MR. VERNICK: You, technically, shouldn't  
8 have it. It is something that I actually drew. So  
9 you can ask him whatever you want about it, but it  
10 is where it is going to go.

11 MS. EVANS: Go ahead.

12 THE WITNESS: This is a drawing of a  
13 uterus and a cervix with some lines that, in this  
14 context, with a notation of a Stage 1B barrel-  
15 shaped cervix and endocervix cancer I assume are  
16 referring to an endocervical barrel-shaped cancer.

17 BY MS. EVANS:

18 Q Have you had discussions with Mr. Vernick  
19 about this drawing?

20 A No.

21 Q Have you had discussions with anybody, Dr.  
22 Jaffurs, anybody, about this drawing?



1 A No, ma'am.

2 Q What is this right here? What do you  
3 think that is?

4 MR. VERNICK: Let me object to him  
5 characterizing what some scribbles are on a piece  
6 of paper.

7 BY MS. EVANS:

8 Q If you know.

9 A It would be hard for me to interpret  
10 somebody else's work. I don't really have an  
11 opinion about that. I am not sure what the artist  
12 had in mind.

13 Q So, if I am understanding your opinion  
14 correctly, it is your opinion that, as of the time  
15 that Mrs. Keller's cancer was diagnosed in January  
16 of 2001, she had had cancer for five years?

17 A I would say that she had had cancer for a  
18 year or so before the January '99 visit.

19 Q You told me earlier one to two years  
20 before January of 1999. So, if we use '97, it is  
21 '97, '98, '99, 2000, 2001?

22 A 2001? You have got January of 2001, so

1 you don't have all of 2001.

2 Q So she had had cancer for four years?

3 A I think that is reasonable; yes.

4 Q Is that the natural history of the  
5 progression of cervical cancer?

6 A In this particular case, I think it its;  
7 yes.

8 Q Tell me why you say that?

9 A Because in January of 2001, she had a very  
10 large endocervical barrel lesion. In March of  
11 2000, she had bleeding after intercourse so that  
12 cancer must have had substantial size to have her  
13 bleed after intercourse. We have those two points  
14 of time. So extrapolating back to a year earlier,  
15 it would seem to me that she would have had an  
16 endocervical cancer at that time in January of '99.

17 Q You don't believe that it was possible for  
18 the cancer to have grown between January of '99 and  
19 2001 to the size that it was on the time of  
20 surgery?

21 MR. VERNICK: I am going to object to that  
22 question.

1 MS. EVANS: Let me rephrase it.

2 BY MS. EVANS:

3 Q Is it your opinion that it is not possible  
4 for Mrs. Keller's cancer to have grown to such a  
5 size between January of 1999 and January of 2001?

6 MR. VERNICK: I still don't understand  
7 what you are talking about.

8 MS. EVANS: You don't understand either?

9 THE WITNESS: I think anything is  
10 possible.

11 BY MS. EVANS:

12 Q I guess I am trying to figure out why is  
13 it that you think the cancer had to have started  
14 sometime one to two years prior to 1997. Is it  
15 because--

16 A I am not sure I said that.

17 MR. VERNICK: I don't think he said that.

18 MS. EVANS: Prior to 1999.

19 BY MS. EVANS:

20 Q My question to you is why is it not as  
21 likely that the cancer grew in size between 1999  
22 and 2001?

at

43

1 MR. VERNICK: It did. He has already said  
2 that.

3 BY MS. EVANS:

4 Q Without having been present in 1997 or  
5 1998.

6 MR. VERNICK: I think you are missing his  
7 opinion.

8 MS. EVANS: Maybe I am.

9 MR. VERNICK: He said it a number of  
10 times, that there was a certain size or presence--  
11 and he has talked about it. I am not going to go  
12 back over it--in January of 1999, but it didn't  
13 start as that size.

14 MS. EVANS: I understand that.

15 MR. VERNICK: That is what he is saying.  
16 It goes back a year or so before that to get to  
17 that point. Then it goes from that point to  
18 January of 2001.

19 BY MS. EVANS:

20 Q Are you able to rule out the possibility  
21 that the cancer started in January of 1999 and grew  
22 to the size, grew to its diagnostic size, between

1 January of 1999 and January of 2001?

2 MR. VERNICK: I am going to object to the  
3 question.

4 Go ahead, Doctor, if you can answer it.

5 MS. TAZZARA: I object, also.

6 MS. EVANS: I understand his opinion. I  
7 am asking him about something different and he  
8 knows what I am trying to ask him.

9 THE WITNESS: I do.

10 MS. EVANS: Yes; you do.

11 MR. VERNICK: I think you ought to ask a  
12 better question.

13 MS. EVANS: If the doctor can't answer it,  
14 then I will try again.

15 THE WITNESS: Again, I think, backtracking  
16 this from a 6-centimeter endocervical barrel to the  
17 point where she had bleeding after intercourse, to  
18 me, means that there was a substantial sized cancer  
19 at that time. So then, extrapolating and  
20 backtracking earlier, my feeling is that the cancer  
21 was present in January, 1999.

22 BY MS. EVANS:

1           Q     I understand that you believe that the  
2 cancer was present in January of 1999. But you  
3 also believe that the cancer was present prior to  
4 January of 1999; is that true?

5           A     Well, it would have to be if we follow  
6 that same extrapolation. I don't think that it  
7 started in--I mean, these cancers are gradual.  
8 This is not like some cancers that can start in a  
9 very short period of time and become very large.  
10 In general, we don't feel that way about cervix  
11 cancer.

12          Q     Now we are getting to what I am trying to  
13 get to. Is it your opinion that it was not  
14 possible for the cancer to grow from one cell in  
15 January of 1999 to the size and bulk it was in  
16 January of 2001?

17               MR. VERNICK: I am going to object.  
18 Anything in this world is possible.

19               MS. EVANS: Sure, it is.

20               MR. VERNICK: But there are standards that  
21 he has testified to and that go to a reasonable  
22 degree of probability. But if you want to assume

1 that the cancer first started in January of 1999--

2 MS. EVANS: I want him to answer my  
3 question. I also want you to stop making speaking  
4 objections.

5 MR. VERNICK: The question is ridiculous.

6 MS. EVANS: Perhaps it is, but it let me  
7 hear it from the doctor and not from you as the  
8 lawyer.

9 MR. VERNICK: The assumption is that the  
10 cancer started in January of '99 and could have  
11 progressed to the clinical state it was in January  
12 of 2001 in that time frame.

13 THE WITNESS: The reason that I think that  
14 that makes sense is because the fact that she seems  
15 to be--that she is cured presently from the cancer.  
16 So, to me, this represents more of a slow, indolent  
17 growth, if you will, rather than something that  
18 took off at a rapid accelerated pace.

19 BY MS. EVANS:

20 Q Two years would be a rapid and accelerated  
21 pace?

22 A I'm sorry; your question again?

1           Q     You said that this was a slow, indolent  
2 growth and not something that took off at a rapid  
3 and accelerated pace. I am asking you is two years  
4 a period of time that you consider to be a period  
5 of rapid growth and acceleration for a cervical  
6 cancer?

7           MR. VERNICK: Let me object. What is on  
8 each side? You are starting from one cell to 6  
9 centimeters? Or are you starting from one cell--

10           MS. EVANS: I am responding to your  
11 answer, Doctor. You said that this cancer is not  
12 typically one that is slow and indolent and it is  
13 not one that is rapid and accelerated.

14           BY MS. EVANS:

15           Q     I am asking you, by rapid and accelerated,  
16 are you saying that this particular type of cancer  
17 could not grow in a two-year period of time?

18           A     I don't think so, from her having the  
19 post-coital bleeding in March of 2000 and from this  
20 being 6 centimeters in January of 2001. I don't  
21 think that would happen; no.

22           Q     Why is that; just because of those



1 clinical features?

2 A. Yes

3 Q Is there any literature that you can cite  
4 me to that supports that this cervical cancer that  
5 she had was one that grows slowly; in other words,  
6 one that would grow over a four-year period of time  
7 versus a two-year period of time?

8 A I didn't do a literature search. There  
9 wouldn't be anything about this specific patient.

10 Q Would there be anything in the literature  
11 about the growth rate of these types of cancers?

12 A I didn't do a literature search.

13 Q From your experience in the field, are you  
14 aware of such articles?

15 A I don't know of those articles without  
16 doing a search; no.

17 Q So, at this point in time, the basis for  
18 your opinion that this cancer was slow and indolent  
19 is the fact that, in March of 2000, she had the  
20 postcoital bleeding and the size of the tumor upon  
21 diagnosis?

22 A Yes, that those are two fixed points in

1 time from which we can extrapolate data; yes.

2 MS. TAZZARA: I think he also said the  
3 fact that she is cured presently. I think that was  
4 another supporting factor.

5 THE WITNESS: As far as the factors of  
6 this cancer; yes.

7 BY MS. EVANS:

8 Q Who said she is cured at this time?

9 A If she has been three years or so since  
10 treatment, she is got an outstanding chance of  
11 being cured.

12 Q But it is not 100 percent; correct?

13 A No.

14 Q What does the fact that she is now--as you  
15 said, she has an outstanding chance of not having a  
16 recurrence. What does that have to do with telling  
17 you what type of cancer it was and the rate of  
18 growth?

19 A Not necessarily the type. But if this  
20 were something that was growing very virulently and  
21 very rapidly, it would be a cancer that would be  
22 harder to control and to cure and there would

1 probably be disseminated recurrences.

2 Q By now, you mean?

3 A Yes.

4 Q What stage of cancer do you think she had  
5 in 1998?

6 A She was probably microinvasive.

7 Q What stage would that be?

8 A I would say 1A-1 or 1A-2.

9 Q If her cancer had been diagnosed in 1997,  
10 would she have required a hysterectomy?

11 A If she had cancer in 1997, yes; she would  
12 have more likely than not needed a hysterectomy.

13 Q Even though you believe she had carcinoma  
14 in situ or dysplasia?

15 A But that is not what you asked me.

16 Q I thought that is what you told me she had  
17 in 1997.

18 A Yes, ma'am. But that is not the question  
19 you asked me. You asked me if she had cancer in  
20 1997, would she need a hysterectomy. She would  
21 have, especially because this was in the  
22 endocervix.

1 Q Let me ask you this. If she had carcinoma  
2 in situ or dysplasia in 1997, would she have needed  
3 a hysterectomy?

4 A I'm sorry; carcinoma in situ--

5 Q Or dysplasia in 1997, would she have  
6 required a hysterectomy?

7 A No.

8 Q Why not?

9 A Because a cone would have detected the  
10 carcinoma in situ and may have removed it all.

11 Q If she had carcinoma in situ or dysplasia  
12 in 1997, would she have required adjuvant therapy?

13 A Not for carcinoma in situ; no.

14 Q So, in other words, she would not have  
15 needed radiation or chemotherapy in 1997 if she had  
16 carcinoma in situ or dysplasia?

17 A Yes. Carcinoma or dysplasia is not  
18 treated with adjunctive radiation and chemotherapy.

19 Q In 1998, if she had microinvasive disease,  
20 Stage 1A-1 or 1A-2, would she have required a  
21 radical hysterectomy?

22 A Yes.

1 Q Why is that?

2 A Because where this is located in the  
3 endocervical canal, sometimes, we can, in a patient  
4 with a 1A-1, follow them after a cone. But the  
5 concentric growth of this would have mitigated  
6 against that. The patients that have less than  
7 3 millimeters of invasion, usually that is one  
8 tongue. This, I don't think, would have fit that  
9 category.

10 Q You say one tongue?

11 A Of invasion beneath the basement membrane.  
12 When we talk about the 3 millimeters of invasion,  
13 that is what we are talking about.

14 Q Would there have been any other options  
15 for treatment of microinvasive disease 1A-1 or 1A-2  
16 in 1998 other than the radical hysterectomy?

17 A Again, as I stated, because of the fact  
18 that this was in the endocervical canal, and,  
19 again, this is fast-forwarding through the  
20 subsequent years, I think she would have needed a  
21 radical hysterectomy with any kind of  
22 microinvasion.

1           Q     So you think that, as early as 1998, the  
2 microinvasive was in a barrel shape at that time?

3           A     This originated up in the endocervical  
4 canal; yes.

5           Q     So that means that it was all around the  
6 entire canal like a donut, so to speak?

7           A     I am not sure about at that time. But the  
8 fact that this would have been invasive, in my  
9 opinion, in several foci I think would have  
10 mitigated against doing just a cone.

11          Q     Would there have been any other option  
12 available in 1998 for treatment of microinvasive  
13 disease 1A-1, 1A-2, to preserve her fertility?

14          A     If it needed something more than--if  
15 invasion was such that something more than a cone  
16 was necessary, she possibly could have needed just  
17 a simple hysterectomy. If she had microinvasion of  
18 invasion of any kind, she could have been treated  
19 with just radiation.

20          Q     Would she not have been a candidate for a  
21 trachelectomy?

22          A     That is an experimental procedure. She

1 might have been a candidate for that. But, again,  
2 that is an experimental procedure.

3 Q Tell me what is done.

4 A Well, just the cervix and the parametria  
5 are taken out in a radical fashion and the uterus  
6 is left in situ.

7 Q Then how does that preserve fertility?

8 A Her fertility is preserved with her  
9 ovaries being preserved. The point of the radical  
10 trachelectomy is just that the patient can carry  
11 her own child.

12 Q So, in a radical trachelectomy, most of  
13 the cervix is removed but the womb and the upper  
14 opening are left behind?

15 A Yes.

16 Q Where, in this area, can that be done; do  
17 you know?

18 MR. VERNICK: Today?

19 MS. EVANS: Today, 1998? Let's start with  
20 today.

21 BY MS. EVANS:

22 Q Do you know where it can be done today?

1           A     I think that there are some places in  
2 California. I don't know of anybody locally that  
3 is doing that. I would say California.

4           Q     You don't do it at Georgetown?

5           A     No.

6           Q     You don't do it here at Sibley?

7           A     No.

8           Q     Fairfax?

9           A     I don't know. I haven't heard of that  
10 being done locally.

11          Q     Washington Hospital Center?

12          A     No; not that I am aware of.

13          Q     In 1998, if she had microinvasive disease  
14 1A-1, 1A-2, would she have required chemotherapy  
15 and radiation?

16          A     Not for 1A-1 or 1A-2.

17               MR. VERNICK: Karen, pick a good place for  
18 a break?

19               MS. EVANS: Go ahead.

20               MR. VERNICK: I don't want to interrupt  
21 you.

22               MS. EVANS: No; I am getting ready to go



1 to another year.

2 [Recess.]

3 BY MS. EVANS:

4 Q What was the state of her cancer in  
5 January of 1999?

6 A In January of 1999, backtracking from  
7 January of 2001 and March of 2000, I feel that she  
8 had a centimeter to a centimeter-and-a-half cancer  
9 in the endocervix.

10 Q So what stage would that be?

11 A That would be a 1B.

12 Q 1B-1?

13 A 1B-1; yes, because it would have been less  
14 than 4 centimeters.

15 Q The basis for that opinion is what?

16 A As I just said, backtracking from January  
17 2001 with a 6-centimeter endocervical barrel lesion  
18 through March of 2000 when she had postcoital  
19 bleeding.

20 Q Anything else? Any other basis for your  
21 opinion?

22 A No. That is the basis.

at

1 Q As of January, 1999 what treatment would  
2 have been indicated if you are correct, that she  
3 had Stage 1B-1?

4 A At that point, she would have had at least  
5 a radical hysterectomy and probably would have  
6 needed adjunctive therapy based on the fact that  
7 this is an endocervical lesion and our desire to do  
8 the most that we can to cure the cancer. We are  
9 leery of endocervical lesions. The fact that this  
10 was concentrically spread around the cervix in  
11 January of 2001 would indicate to me that it may  
12 well have had parameters such that adjunctive  
13 therapy would have been indicated.

14 Q In 2001, the cancer--you said  
15 concentrically. Do you mean it was all around the  
16 cervical canal, like a circle?

17 A Yes.

18 Q Whenever Mrs. Keller first developed the  
19 first cancer cell and it progressed to a sufficient  
20 number of cells such that it could be detected,  
21 let's say, on a microscope, would the cancer have  
22 been in a circular pattern at that early time

1 period?

2 A We tend to think of cervical cancer as  
3 starting with one cell. Why, in the endocervix,  
4 they grow in a concentric or barrel fashion that is  
5 symmetrical is not known.

6 Q I guess what I am asking is let's say the  
7 cancer--and I just want to use a clock for  
8 reference. Let's say there is one cancer cell at  
9 12 o'clock. As the number of cells increase in  
10 size, does it work itself around to, like,  
11 1 o'clock, 2 o'clock, 3 o'clock, all the way  
12 around, like that, or does it spread all at once  
13 and, all of sudden, it is a circle? Do you  
14 understand what I am asking you?

15 A Yes. I don't think that anybody--I don't  
16 know that answer. The observation, though, is that  
17 barrel lesions tend to be uniformly symmetrical.  
18 It would almost make one think that it was  
19 multifocal in its onset. But that is not  
20 traditionally the way we think of cervical cancer.

21 Q So, traditionally, you think that cervical  
22 cancer is generally one foci?

1 A Yes.

2 Q Is there literature out there that says  
3 that barrel-shaped lesions are multi-foci in their  
4 development?

5 A I didn't look at the literature. I don't  
6 know that literature off the top of my head; no.

7 Q So how do you know that in, let's say  
8 January of 1997, that this cancer was concentric?  
9 Do you know that one way or the other? Is that  
10 your opinion?

11 A I wouldn't know that at any point in time,  
12 when it became concentric. At some point, we know  
13 it did.

14 Q But you can't say with a reasonable degree  
15 of medical probability at what point in time it  
16 became concentric.

17 A No.

18 Q You had told me that, as of January, 1999,  
19 the cancer was one to one-and-a-half centimeters in  
20 the endocervix.

21 A Yes.

22 Q Are you talking about depth of invasion?

1 A I am talking about the gross size of it.

2 Q I am trying to get some description of  
3 what is one-and-a-half centimeters. Are you saying  
4 it is just like one grouping of cells that would be  
5 one to one-and-a-half centimeters in diameter?

6 A At that point, it would have taken on the  
7 concentricity of the endocervix. It would have  
8 been a smaller barrel lesion.

9 Q So it is your opinion, within a reasonable  
10 degree of medical probability, that this was a  
11 concentric lesion as of January of 1999?

12 A I think that is accurate. If not, it  
13 would have just been a bulk lesion beginning to  
14 spread circumferentially.

15 Q What is the difference between a bulk  
16 lesion that is beginning to spread concentrically  
17 or a lesion that is already like a circle?

18 A The difference would be the degree of  
19 involvement around the endocervical canal.

20 Q Are you able to state that, as of January,  
21 1999, Mrs. Keller's lesion was concentric or if it  
22 was bulk and beginning to spread?

1           A     I don't know that.

2           Q     You told me earlier that this diagram here  
3 is of a cervix with a barrel-shaped lesion.

4           MR. VERNICK: Let me object as to your  
5 characterization. He thank that he was not able to  
6 indicate specifically what somebody else had drawn  
7 here.

8           BY MS. EVANS:

9           Q     I want to get an understanding of what it  
10 is that we are talking about when you say that it  
11 is a barrel-shaped lesion. Can you draw for me a  
12 cervix.

13          A     [Begins drawing.] This is our normal  
14 cervix.

15          Q     Before you go further, help me to identify  
16 the parts of the cervix.

17          A     Sure. This is the cervix.

18          Q     Could you just put an arrow and show me  
19 the cervix?

20          A     Sure.

21          Q     What is this up at the top?

22          A     This is the uterus.

1 Q This is the uterine wall?

2 A Yes. And this is the vagina.

3 Q Where is the endocervical area?

4 A This is the endocervix. And this is the  
5 ectocervix.

6 Q I guess, out here in these areas, this  
7 would be the pelvis?

8 A Yes; this would be the pelvic cavity and  
9 then this would be the parametria, or the  
10 connective tissue that holds the cervix in place.

11 Q Would you label that because I won't know  
12 when we read the transcript.

13 A Sure.

14 Q This is called the pelvic what?

15 A This would be along the paravaginal  
16 tissues and pelvic sidewall. The pelvic sidewall  
17 would be down here.

18 Q The vagina; is that like a tube shape?

19 A Yes. It is a tube shape. It looks like  
20 this. At the top of this is the cervix which juts  
21 out into the vagina.

22 Q So all of this area here is the vagina?

1 A Yes. That's correct.

2 Q Did you draw the barrel-shaped lesion?

3 A Not yet.

4 Q So this big thing here is the vagina.

5 A Yes.

6 Q Then the endocervical canal is actually  
7 narrower or smaller than the vagina?

8 A Yes.

9 Q You told me earlier that the barrel-shaped  
10 tumor, like this, grew in the endocervical canal?

11 A Yes.

12 MS. EVANS: Can we mark this.

13 [Barter Deposition Exhibit 4  
14 was marked for  
15 identification.]

16 BY MS. EVANS:

17 Q Is it your opinion, with a reasonable  
18 degree of medical probability that, in January of  
19 1999, Mrs. Keller would have needed chemotherapy  
20 and radiation?

21 A Yes.

22 Q In 2000, what stage of cancer is it your



1 opinion that Mrs. Keller had?

2 A In March of 2000, she would have been a  
3 1B-1.

4 Q What about January, 2000?

5 A Same.

6 Q The basis of your opinion is the same as  
7 in 1999 and the other years?

8 A It is extrapolating back from what we had  
9 in January of 2001.1

10 Q In terms of treatment, she would have  
11 required a radical hysterectomy and probably  
12 radiation and chemotherapy?

13 A Yes; definitely.

14 Q Did you tell me what size the cancer would  
15 have been in 2000?

16 A To have the degree of bleeding she had in  
17 March of 2000, my belief is that the tumor would  
18 have been 2 to 3 centimeters. It would have had  
19 substantial growth so that it would have bled  
20 easily.

21 Q Do you believe it was 2 to 3 centimeters  
22 in January of 2000?

1 A Yes.

2 Q What stage of cancer is it your opinion  
3 that she had in January of 2001?

4 A We know she had a 1B barrel.

5 Q Was it still 1B-1, 1B-2?

6 Q The 6 centimeters, the figure that you  
7 have used to describe the size of the cancer in  
8 January of 2001, was that from the surgical  
9 pathology or was that from Dr. Lin's examination?

10 A It is from his examination and also from  
11 the pathology reports where they talk about, "a  
12 cross section of the cervix and upper vagina  
13 reveals a well-circumscribed tan lesion, occupies  
14 most of the anterior cervix and upper vaginal  
15 wall."

16 Q Don't they measure it at some point?

17 A I'm sorry, Up here is the measurement. I  
18 skipped that line. "A cross section of the upper  
19 vagina reveals a well-circumscribed, tan to pale  
20 yellow, firm (lesion) with shiny surface mass  
21 measuring 4 by 3.5 by 4.5." Then the comment, "The  
22 cut surface of the posterior cervix wall reveals a

1 well circumscribed pale, yellow to tan, firm lesion  
2 measuring 3 by 1.2 by 1.5."

3 So, if you add these up across the way, you get  
4 7, 4.7 and 6.

5 Q Why do you add them together?

6 A Because what happens is you take the  
7 uterus and cervix like that and you bivalve it.  
8 Then, what they are giving us is the measurements  
9 in the two halves of it. So the entire lesion  
10 would be the sum of those rather than just one of  
11 them by itself.

12 Q So it was bigger on surgical pathology  
13 than it was on clinical examination?

14 A Dr. Lin estimated it to be about  
15 6 centimeters. I think that this is in that  
16 ballpark.

17 Q Is that typically what is done, that you  
18 add the two dimensions to get the size of the  
19 tumor?

20 A Usually, what we go by is the clinical  
21 impression which would be 6 centimeters.

22 Q When you say "we," who--

1 A GYN-oncologists.

2 Q You use the clinical impression to stage  
3 the cancer?

4 A Yes.

5 Q You don't wait for the surgical pathology  
6 to stage it?

7 A There is a clinical stage and then there  
8 is a pathologic stage. For instance, if her nodes  
9 had been positive, she would be a Stage 1B-2 with  
10 positive nodes.

11 Q If she had positive nodes, that wouldn't  
12 put her into a different stage?

13 A No.

14 Q What stage of cancer is it your opinion  
15 that Mrs. Keller had in September of 2000?

16 A She would have been between a 1B-1 and a  
17 1B-2, maybe a 1B-2 at that point.

18 Q Are the treatment options any different at  
19 the Stage 1B-1 versus 1B-2?

20 A Not for a lesion that is up in the  
21 endocervical canal. The tendency is to treat them  
22 adjunctively when the tumor gets to be a size of

1 this nature.

2 Q From the surgical pathology description,  
3 are you able to tell exactly where the tumor was in  
4 the endocervical canal?

5 A I can't tell exactly where, but it appears  
6 as though it has replaced the endocervical canal  
7 and actually grown underneath and onto the  
8 ectocervix.

9 Q Are you talking about the depth of the  
10 invasion or are you talking about the distance  
11 between the uterus, the top of the endocervical  
12 canal close to the uterus, or the bottom of it  
13 close to the--

14 A Do you mean when I say 6 centimeters?

15 Q Yes.

16 A It is just like a barrel, just like a rain  
17 barrel. You examine the patient like this and it  
18 is just like there is a rain barrel right in there  
19 that measures 6 centimeters at the mid-portion of  
20 the endocervix.

21 Q If we have got a barrel, are you measuring  
22 between the sides from here to here or the length

1 from top to bottom?

2 A It is the circumferential diameter at the  
3 widest portion of the barrel which is in the center  
4 of the barrel. They taper at either end. That is  
5 why it really just feels like a barrel.

6 Q Does that tell you, though, how much of  
7 the actual length of the endocervical canal that it  
8 takes up?

9 A It doesn't, per se. But barrel lesions  
10 tend to take up the entire endocervix.

11 Q September, 2000; you told me she had Stage  
12 1B-1 or 1B-2.

13 A By January, 2001, she was a 1B-2 with  
14 6 centimeters.

15 Q I am going to ask you what size--

16 A That would have been three or four months.  
17 She would have been a 1B-2 at that point.

18 Q In September, 2000?

19 A Yes.

20 Q What size would the tumor have been?

21 A It would have been somewhere between 2 and  
22 3 and 6 centimeters.

1           Q     Are you able to state, with a reasonable  
2 degree of medical probability, more precisely than  
3 between 2 to 3 to 6 centimeters?

4           A     I would say it would be more tending  
5 toward--it would be approximately 4 to 5, if my  
6 math is correct, in extrapolating from those two  
7 parameters which I would have to think about.

8           Q     So is it your opinion, with a reasonable  
9 degree of medical probability, that, in September,  
10 2000, the size of the cancer tumor was 4 to  
11 5 centimeters?

12          A     Yes.

13          Q     What is the basis for that mathematical  
14 calculation?

15          A     Just based on the fact that she had a  
16 large barrel endocervical lesion in January of 2001  
17 that was 6 centimeters and extrapolating back the  
18 March of 2000 when she had postcoital bleeding.

19          Q     What is it about those two facts that tell  
20 you in September it was 4 to 5 centimeters?

21          A     It is a rough approximation along that  
22 time line.

1           Q     I think we have taken care of the first  
2 sentence. But, in the 26(b), it says that you are  
3 going to testify about the course and care and  
4 treatment that the Plaintiff would have received  
5 had the cancer been diagnosed in January of '99,  
6 January of 2000 and September of 2000. Have we  
7 talked about your opinions in that regard?

8           A     Yes.

9           Q     Do you have any opinions with regard to  
10 that particular subject matter that we have not  
11 talked about?

12          A     I don't believe so.

13          Q     "Specifically, it will be his testimony to  
14 a reasonable degree of probability that, had the  
15 Plaintiff been diagnosed with cancer in January,  
16 '99, that she would have needed the same or similar  
17 operation that was accomplished in January, 2000."  
18 Have we discussed your opinions in that regard?

19          A     Yes.

20          Q     Is there anything that we haven't  
21 discussed?

22          A     No.



1 Q "This will also be Dr. Barter's testimony  
2 with regard to January of 2000 and September of  
3 2000." Have we discussed your opinions in that  
4 regard?

5 A Yes.

6 Q Anything that we need to discuss that we  
7 have not?

8 A No.

9 Q "He will also testify about the  
10 Plaintiff's need for adjuvant therapy in these  
11 three earlier times frames," Have we talked about  
12 those opinions?

13 A Yes, ma'am.

14 Q "It is his expected testimony that the  
15 Plaintiff would have needed adjunctive therapy had  
16 she been diagnosed at these earlier time frames."

17 A Yes.

18 Q We talked about that. Do you have  
19 anything to add that we have not discussed?

20 A No.

21 Q "Furthermore, Dr. Barter will address the  
22 Plaintiff's current complaints and symptoms. It is

1 expected that he will testify that these symptoms  
2 or complaints would probably have existed even if  
3 the cancer had been diagnosed in January 1999,  
4 January 2000 or September 2000."

5 Have we discussed those opinions?

6 A Yes; it would have been the same  
7 treatment, with the same outcomes.

8 Q So, essentially, what your opinion is  
9 that, because she would have needed a hysterectomy  
10 and chemotherapy and radiation whenever, that she  
11 would have had the same accompanying morbidity and  
12 problems?

13 A Yes.

14 Q "The basis for this opinion is that the  
15 Plaintiff would have needed the same or similar  
16 operation and care and treatment that she received  
17 in January 2001 if the cancer had been diagnosed at  
18 the earlier referenced time frame."

19 MS. EVANS: Is he specifically going to  
20 comment on her complaints about GI distress?

21 MR. VERNICK: He had read Dr. Lin's  
22 deposition and reviewed the records. His opinion

1 basically dovetailed with Dr. Lin's, that he did  
2 not see her and obviously did not treat her but,  
3 based on the records, there is no evidence of a lot  
4 of these things. That is why I sent him this  
5 portion of the Interrogatory Answers, Page 10 and  
6 23, that list certain things.

7 All this is running to the present. Based  
8 on his review of Lin's deposition and the records  
9 of Lin, he can comment about what she has or  
10 doesn't have, but he is just parroting back what  
11 Lin says and what is in his records.

12 So I think the best evidence of that is  
13 from Dr. Lin because he is the one that is treating  
14 her. So he is not going to be able to say, she has  
15 this inconsistent with Lin, or she doesn't have it.

16 MS. EVANS: You are talking about current  
17 complaints.

18 MR. VERNICK: Correct.

19 MS. EVANS: You are not going to opine  
20 that she didn't have these things, are you?

21 THE WITNESS: No; I would defer to Dr.  
22 Lin.

1 BY MS. EVANS:

2 Q "Finally, Dr. Barter will testify about  
3 Mrs. Keller's prognosis both currently and at the  
4 earlier time frames referenced." You are going to  
5 testify about her current prognosis? I think you  
6 already told me that she has an excellent  
7 prognosis.

8 A Yes.

9 Q Are you able to give me a number  
10 percentagewise?

11 A With the treatment that she had in 2001  
12 with a 1B barrel and having had a radical  
13 hysterectomy, radiation therapy and platinum, her  
14 survival rate is in the 80 to 85 percent range at  
15 five years. If she were to have had a recurrence,  
16 more probably than not, she would have recurred by  
17 now. Patients do recur with cervical cancer. If  
18 they are going to recur, 80 percent do so within  
19 two years of diagnosis. So the fact that she is  
20 out augments her survival rate even more at this  
21 point.

22 Q What is the basis of that statement that,

1 if there is going to be a recurrence, 80 percent  
2 recur within two years?

3 A That has been noted in various studies  
4 that look at cervical patients and when they recur.

5 Q Are you able to give me a citation for any  
6 of them?

7 A Not off the top of my head; no.

8 Q Have you written about it in any of your  
9 papers?

10 A I guess maybe in the lung-cancer article.  
11 I don't think I mentioned it in the radical-  
12 hysterectomy complication article. I am sure there  
13 is some mention in those studies about when they  
14 recurred, but I don't remember addressing that  
15 specifically.

16 Q The prognosis in 1997; what is your  
17 opinion in that regard?

18 A Given what pathological state. If we  
19 could do it by that, maybe that is--

20 Q 1997; I believe your testimony was that  
21 she had CIN or dysplasia?

22 A With CIN or dysplasia, the cure rate with

1 a cone or a hysterectomy is approaching 100  
2 percent.

3 Q In 1998, I believe your testimony was  
4 microinvasive disease 1A-1 and 1A-2?

5 A 1A-1 and 1A-2 generally should be  
6 considered cure 95 percent, 90 to 95 percent,  
7 lumping both categories together. That depends on  
8 the specific parameters. Obviously, a smaller 1A-1  
9 is going to approach upper '90's as far as  
10 survival.

11 Q Do you have an opinion with a reasonable  
12 degree of medical probability as to the size of the  
13 microinvasive disease in 1998?

14 A In other words, if it is a 1A-1 or a 1A-2?

15 Q Yes.

16 A I would think it would be a 1A-2.

17 Q What size? How many centimeters would it  
18 be?

19 A At that point, it would be millimeters. I  
20 would say several millimeters.

21 Q If it was 1A-2?

22 A Yes. By definition, that would have to be

1 3 to 5 with no extension greater than 7 across. So  
2 those would be the parameters that I would put  
3 down, or maybe even a little bit worse at that  
4 point.

5 Q In 1997, do you have an opinion with a  
6 reasonable degree of medical probability as to the  
7 size of the carcinoma in situ or dysplasia?

8 A I guess I don't; no.

9 Q What is your opinion with regard to Mrs.  
10 Keller's prognosis in 1999? You told me earlier  
11 Stage 1B-1?

12 A Stage 1B-1 with a radical hysterectomy and  
13 adjunctive therapy is going to be--in this  
14 particular case, I would say, 85 to 90 percent.

15 Q You said in this particular case? What is  
16 different about her case?

17 A Just judging from the tumor size. These  
18 are all predicated on tumor size. That is why  
19 there is a bit of a range in these. You can have a  
20 1B-2 that is 4.2 centimeters and a 1B-2 that is  
21 10 centimeters. I would say that the 1B-2 that is  
22 smaller has a chance to do better than a larger 1B-

1 2. So there is a range in these.

2 Q Is it your opinion that she was 1B-2 in  
3 1999?

4 MR. VERNICK: He has already said 1B-1.

5 MS. EVANS: That's what I thought he said.  
6 But we are talking about January 1999.

7 THE WITNESS: I said she was a 1B-1 at  
8 that point; yes. So her survival rate would be  
9 well in the upper 80s.

10 BY MS. EVANS:

11 Q Do you have an opinion with regard to her  
12 prognosis in January 2000?

13 A A 1B-1? I would say mid 80s.

14 Q Is this with radical hysterectomy and  
15 adjunctive therapy?

16 A Yes; given the same treatment.

17 Q March of 2000; is the prognosis the same  
18 as in January?

19 A Yes.

20 Q September 2000, your opinion was that she  
21 had Stage 1B-2. What would her prognosis be?

22 A Very good. I would say mid 80s.



1           Q     This is with radical hysterectomy and  
2 adjunctive therapy.

3           A     Yes.

4           Q     So Stage 1B-1 and Stage 1B-2, the  
5 prognosis is the same?

6           A     It depends on the tumor size. If you have  
7 a 1B-1 that is 3.8 centimeters and a 1B-2 that is  
8 4.2 centimeters, then they are going to be about  
9 the same. So there is some play in these numbers  
10 predicated on tumor size.

11          Q     Have I covered everything? That is all  
12 that is in the 26.

13          A     I think you have.

14          Q     Oh; not quite. I have just a few last-  
15 minute things. Percent of income derived from  
16 medical-legal services?

17          A     5 percent.

18          Q     Have you ever given testimony for a  
19 plaintiff, a patient, in a medical-negligence case?

20          A     Yes.

21          Q     When was that?

22          A     I have given testimony through the years

1 for plaintiffs. Most of what I do is defense work.  
2 I would say it is 75, 80 percent defense and the  
3 rest plaintiff.

4 Q Have you testified for a plaintiff in the  
5 Washington Metropolitan Area?

6 A I testified in the past on a laparoscopic  
7 oophorectomy. It was a patient that I took care of  
8 here. The surgery actually had been done in  
9 Baltimore. So it is the area.

10 Q You were her treating doctor?

11 A Yes.

12 Q Did you give an opinion that the doctor in  
13 Baltimore had been negligent?

14 A Yes.

15 Q Who was the lawyer in that case?

16 A I don't recall.

17 Q Other than that one case, have you  
18 testified for a plaintiff on any other cases?

19 A Yes. There was another laparoscopy case  
20 in Baltimore recently. I am trying to think of  
21 what else. Actually, my first case I did was a  
22 patient of mine who had a Pap smear that there was

1 discussion about. That was about ten years ago.  
2 That was my index case--it was for the patient--as  
3 a plaintiff expert.

4 Q Did you testify that there had been  
5 negligence by the treating obstetrician or  
6 gynecologist?

7 A I think I did in that case. I don't  
8 remember the specifics about it.

9 Q Was that here in the Washington, D.C.  
10 area?

11 A Yes.

12 Q That was about ten years ago?

13 A Yes.

14 Q Do you remember the name of the patient?

15 A I don't.

16 Q Any of the lawyers involved?

17 A No.

18 Q Do you keep any kind of list of your cases  
19 that you have been involved in?

20 A No.

21 Q Do you keep depositions, old ones?

22 A No.

1 Q So you have testified for the patient in  
2 three cases that you are able to recall?

3 A That I recall.

4 Q Do you prefer to testify for the  
5 defendant, for the physician?

6 A The percentage represents the calls that I  
7 get. I happen to get more from defense lawyers.

8 Q Do you get calls from plaintiff's  
9 attorneys?

10 A Yes.

11 Q Here in the local area?

12 A I believe so; yes.

13 Q Within the last five years, have you  
14 reviewed a plaintiff's case and given testimony in  
15 favor of the patient against a healthcare provider  
16 in the Washington area?

17 A Not that I recall in the D.C. area.

18 Q Have most of the cases that you have been  
19 involved in as an expert witness in which you have  
20 testified for the patient been outside of  
21 Washington, D.C.?

22 A Mostly; yes.

1 Q Do you know Dr. Abraham?

2 A Yes; I do.

3 Q How do you know her?

4 A Through the OB-GYN circles here in  
5 Washington.

6 Q Have you discussed this case with her?

7 A No.

8 Q Does she refer cases to you?

9 A She has not; on.

10 Q She has not in the past?

11 A No.

12 Q Was that because of the nature of the kind  
13 of work that you did at Georgetown?

14 A It seems like they refer to G.W., as best  
15 I can tell.

16 Q Do you know Dr. Jaffurs?

17 A Yes.

18 Q How do you know him?

19 A Same; the GYN circles here in Washington.

20 Q So, on average, do you see them every  
21 month? Every year? What?

22 A I probably see Dr. Jaffurs every several

1 years. I probably see Dr. Abraham every couple of  
2 months.

3 Q Have you discussed this case with Dr.  
4 Abraham?

5 A No.

6 Q Have you told her that you are an expert  
7 in the case?

8 A No.

9 Q Have you ever had a claim for malpractice  
10 filed against you?

11 A Yes.

12 Q How many times?

13 A Three.

14 Q When was the first one?

15 A The first one was I guess about eight  
16 years ago, now. It was a recovering alcoholic that  
17 I talked to about the disposition of her normal  
18 ovary which she desired to retain at the time of  
19 surgery for an abnormal opposite ovary. She was in  
20 her 40s. She ended up getting a cyst on the ovary  
21 that she desired to keep in situ and sued me for  
22 that. But I had her come back an additional time

1 in the office so I had two page-and-a-half  
2 informed-consent notes. So that didn't go  
3 anywhere.

4 Q It didn't go to trial. Did you take a  
5 deposition?

6 A No; I didn't.

7 Q It was dismissed?

8 A I don't think it ever went anywhere. I  
9 think it just died a slow death.

10 Q Do you remember her name?

11 A No.

12 Q When was the second time?

13 A The second was I guess five or six years  
14 ago. It was a patient that I did a radical  
15 hysterectomy on that had overflow incontinence  
16 after the procedure. She sued on that basis. That  
17 never went anywhere because they couldn't get an  
18 expert witness because I had drawings and explained  
19 to her the pros and cons and potential problems  
20 after a radical.

21 Q Who was your lawyer in the second case?

22 A It is through Georgetown. I don't recall.

1 Q Do you recall who your lawyer was in the  
2 first case?

3 A They are through Georgetown.

4 Q Then the last case?

5 A The last case is current.

6 Q Have you given a deposition in that case?

7 A No.

8 Q Who is representing you?

9 A Georgetown.

10 Q Who is the plaintiff's attorney?

11 A I don't know.

12 Q What is the nature of the allegations  
13 against you?

14 A I am not sure I am supposed to talk about  
15 that, am I?

16 Q You can tell me what they allege. You  
17 don't have to admit to it.

18 MR. VERNICK: Do you want to talk to me  
19 about it?

20 THE WITNESS: Sure.

21 MR. VERNICK: It has been filed?

22 THE WITNESS: Yes--well, no.



at

88

1 MR. VERNICK: The law suit has not been  
2 filed?

3 THE WITNESS: We are not on the record,  
4 are we?

5 MS. EVANS: Yes.

6 THE WITNESS: I am not going to say  
7 anything until we talk to the Georgetown lawyers  
8 because I have been told that we are not supposed  
9 to talk about stuff.

10 BY MS. EVANS:

11 Q Has suit been filed?

12 A Again, I apologize. I would be glad to  
13 have you talk to the Georgetown lawyers but--

14 Q Who would that be?

15 A I am not going to say anything. I can get  
16 you the name.

17 Q Let me ask you this. Do the allegations  
18 have anything to do with cervical cancer?

19 A Not at all.

20 Q Are you a named defendant?

21 A Yes; with Georgetown Hospital.

22 Q It is not my firm, is it, Jack Olender and

1 Associates?

2 A With all due respect, I would be glad to  
3 give you the Georgetown lawyer. I am not going to  
4 say anything about it.

5 Q Do you know Dr. Neil Rosenschein?

6 A Yes.

7 Q Have you discussed the case with him at  
8 all?

9 A No.

10 Q Did you know that he was an expert in this  
11 case?

12 A No; I didn't.

13 Q Dr. Johnson; do you know him? Harry  
14 Johnson from Baltimore?

15 A No.

16 MS. EVANS: I think I have to be done.

17 MR. VERNICK: Would you like to read?

18 Doctor?

19 THE WITNESS: Yes.

20 [Whereupon, at 8:45 p.m., the deposition  
21 was concluded.]

22 [Signature not waived.]

# CERTIFICATE OF DEPONENT

I have read the foregoing\_\_\_\_\_ pages which  
contain the correct transcript of the answers made  
by me to the questions therein recorded.

\_\_\_\_\_

=====

Subscribed and sworn before me


this\_\_\_\_\_ day of \_\_\_\_\_ 20\_\_\_\_\_

\_\_\_\_\_  
Notary Public in and for  
\_\_\_\_\_

My commission expires:\_\_\_\_\_

## CERTIFICATE OF NOTARY PUBLIC


I, ALICE TOIGO, the officer before whom the foregoing deposition was taken, do hereby testify that the witness whose testimony appears in the foregoing deposition was duly sworn by me; that the testimony of said witness was taken by me by stenographically and thereafter reduced to typewriting under my direction; that said deposition is a true record of the testimony given by said witness; that I am neither counsel for, related to, nor employed by any of the parties to the action in which this deposition was taken; and further, that I am not a relative or employee of any attorney or counsel employed by the parties hereto nor financially or otherwise interested in the outcome of the action.

  
ALICE TOIGO

Notary Public in and for  
the District of Columbia

My Commission expires: August 31, 2007

**MARIAH KELLER**  
**Medical Records**

- 
- 1) Chronology (Work Product) and Complaint
  - 2) M. Melody Abraham, M.D.  
(2/13/96 - 6/22/01)
  - 3) Cytology Services of Maryland, Inc.  
(1/6/98 - 1/10/01)
    - A) Pap smear records/documents
    - B) Cytology report search requests
    - C) Phone messages and faxes
    - D) Letters from Attorney Olender
    - E) Histology Worklogs (Work Product)
    - F) CS of MD Protocol re: review high risk slides (Work Product)
    - G) Staging for cervical cancer (Work Product)
    - H) Cytotech listing for CS of MD (Work Product)
    - I) Jaffurs' diagram (Work Product)
    - J) Records of CS of MD produced by Plaintiff
  - 4) Sibley Memorial Hospital  
(5/23/96 ER Visit)
  - 5) Jeffrey Lin, M.D.  
(3/8/01 - 9/27/01)
  - 6) George Washington University Hospital  
(1/17/01 - 1/21/01)
  - 7) George Washington University Hospital  
(9/7/01 ER visit)
  - 8) Dwight Stauffer, M.D.  
(8/25/89 - 8/26/94)
  - 9) George Washington University Hospital  
Radiation Oncology Treatment  
(1/18/01 - 6/21/01)

**CURRICULUM VITAE**

**James Francis Barter, M.D.**

**SOCIAL SECURITY NUMBER**      722-36-4668

**DATE AND PLACE OF BIRTH**      March 4, 1951 - Washington, DC

**BUSINESS ADDRESS:**  
Lombardi Cancer Center  
Georgetown University Hospital  
3800 Reservoir Road, N.W.  
Washington, DC 20007  
Phone: 202-687-1212

**HOME ADDRESS:**  
5968 Searl Terrace  
Bethesda, MD 20816  
Phone: 301-320-3576

**FAMILY**

Married      June 12, 1977 - Anne Bourneuf Jarrobino

Children      Jessie Caroline      Born: 04-30-80  
                 James Francis, Jr.      Born: 12-27-82

**PREMEDICAL EDUCATION**

Washington and Lee University School of Medicine  
Lexington, VA  
B.S.  
Magna Cum Laude  
Phi Beta Kappa      06-07-73

**MEDICAL EDUCATION**

University of Virginia  
Charlottesville, VA  
M.D.      05-22-77

**INTERNSHIP AND RESIDENCY - INTERNAL MEDICINE**

University of Kentucky Medical Center  
Lexington, KY  
Intern, Internal Medicine      06/23/77 - 06/30/78  
University of Kentucky Medical Center  
Lexington, KY  
1st Year Resident, Internal Medicine      07/01/78 - 06/30/79

**ADMINISTRATIVE AND COMMITTEE DUTIES**

Director Residency Program Obstetrics and Gynecology Georgetown University Hospital Washington, DC	1986 - 1988
Associate Director Residency Program Obstetrics and Gynecology Georgetown University Hospital	1988 - Present
Program Director Sibley Memorial Hospital Washington, DC Affiliate Hospital for Georgetown	01/86 - Present
Education Committee Obstetrics and Gynecology Georgetown University Hospital -General management of all aspects of Interns and Residents Education	01/86 - Present
House Staff Liaison Committee Georgetown University -To continue ongoing rapport between House Staff and attendings	01/86 - 01/87
House Staff Committee Georgetown University -To examine distribution of House Staff officers in the different divisions	03/86 - 06/86
Director Residency Recruitment Obstetrics and Gynecology Georgetown University Hospital	1987 - Present
Utilization Management Committee Georgetown University Hospital -Optimizing quality of care	1986 - 1987
Women's Task Force Georgetown University Hospital -Consolidation of marketing efforts for Georgetown University Hospital, Obstetrics and Gynecology	1986 - 1987
Tumor Board Sibley Memorial Hospital	06/87 - Present

Middle Atlantic Gynecologic Oncology Society Scientific Coordinator Hot Springs, VA Meeting	09/87
Middle Atlantic Gynecologic Oncology Society President Elect	1992
Middle Atlantic Gynecologic Oncology Society President	1993
Middle Atlantic Gynecologic Oncology Society Council Member	1988 - 1991
Georgetown University Hospital Medicine Chairman Search Committee	1990 - 1991
Georgetown University Hospital Radiation Oncology Division 5 Year Review Committee	01/90 - 06/90
Chairman Surgery Department 5 Year Review Committee Georgetown University Hospital	01/91 - 08/91
Clinical Operations Committee Georgetown University Hospital	10/93 - Present
Faculty - Problem Based Learning, Anatomy Course Georgetown University School of Medicine	1993, 1994
Operative Endoscopic Committee Georgetown University Hospital	1992 - 1994
Billing and Collections Committee Department of OB/GYN, Georgetown University Hospital	1992 - 1994
Montgomery County Satellite Office Committee	1996 - Present
Lombardi Cancer Center Clinical Research Committee	1996 - Present
The Society of Gynecologic Oncologists National Task Force on Manpower in Gyn Oncology	1996
<u>Education Courses:</u> Course Director: "Complications in Gynecologic Surgery" Washington, DC	10/90, 10/91



Co-director, Annual Course: "A Comprehensive Review of Clinical Obstetrics and Gynecology" Georgetown University Hospital Washington, DC	4/90, 4/91
Gynecologic Oncology OB/GYN Grand Rounds Coordinator Georgetown University Hospital	1990 - Present
Co-Director: "Staples in Surgery and Continent Diversions" Society of Gynecologic Oncologists Annual Meeting Palm Desert, CA	02/93
Moderator: "The Progressive Field of Gynecologic Oncology" American College of Surgeons Annual Meeting San Francisco, CA	10/93

**JOURNAL REVIEWER**

Gynecologic Oncology	01/91 - Present
Cancer	10/93 - Present
Obstetrics & Gynecology	08/97 - Present
Breast Cancer Research and Treatment	08/97 - Present

**CLINICAL COOPERATIVE RESEARCH**

Co-Director Gynecologic Oncology Group Studies Georgetown University Hospital	03/92 - Present
Gynecologic Oncology Group Endometrial Committee	1986 - 1994
Gynecologic Oncology Group Gestational Trophoblastic Disease Committee	1986 - 1994
Coordinator Basic Science Presentations Gynecologic Oncology Group Meeting, Baltimore, MD	1993

**STATE LICENSES**

Kentucky	#19545	07-01-78
North Carolina	#25770	01-23-82
Alabama	#10781	04-13-83
Maryland	#D32793	09-06-85
Virginia	#38704	10-01-85
District of Columbia	#15565	12-17-85

**PROFESSIONAL SOCIETIES/HONOR SOCIETIES**

American Medical Association	1973 - Present
Phi Beta Kappa	1973 - Present
Alpha Epsilon Delta, President	1972 - 1973
Diplomate, National Board of Medical Examiners	1978
Bayard Carter Society	1983 - Present
Duke Obstetrics and Gynecology Medical Association of the State of Alabama	1983 - 1986
Charles E. Flowers Society	1985 - Present
University of Alabama Obstetrics and Gynecology	
American Society for Colposcopy and Cervical Pathology	1985 - Present
District of Columbia Medical Society	1986 - 1996
Society of Gynecologic Oncologists	
Candidate Member	March 1986 - 1988
Member	1988 - Present
Montgomery County Medical Society	May 1986 - 1991
Washington Gynecologic Society	October 1986 - Present
Nominating Committee	1989
Mid Atlantic Gynecologic Oncology Society	May 1986 - Present
Hippocrates - Galen Society	February 1987 - 1990
American College of Obstetrics and Gynecology	
Junior Fellow	1984 - 1988
Fellow	1989 - Present
American College of Surgeons	
Fellow	1989 - Present

**AWARDS**

Cash Prize - Resident's Day Research Presentations Duke University	June 1983
Travel Grant Award American Radium Society Meeting Acapulco, Mexico	April 1985
First Place Scientific Presentations Advisor, Georgetown University Resident Research Presentations "AGUS" Pap Smears	November 1997

**HONORS**

Washingtonian Magazine Best Doctors in Washington, DC	1989, 1993, 1996
----------------------------------------------------------	------------------

**INTERNSHIP AND RESIDENCY - OBSTETRICS AND GYNECOLOGY**

Duke University Medical Center  
Durham, NC

Intern, Obstetrics and Gynecology

07/01/79 - 06/30/80

Duke University Medical Center  
Durham, NC

Resident, Obstetrics and Gynecology

07/01/80 - 06/30/83

**RESEARCH**

National Institutes of Health

1974

Bethesda, MD

Summer Research Program

Worked on isolating rabbit lung surfactant

University of Alabama Medical Center

07/83 - 01/84

Birmingham, AL

Research Assistant in Tumor Immunology

(Dr. Max Cooper) - Worked on characterizing

lymphocyte subtypes in ovarian cancer ascites

fluid using monoclonal antibodies and on

prostaglandin production by peritoneal macrophages

**FELLOWSHIP - GYNECOLOGIC ONCOLOGY**

University of Alabama Medical Center

01/84 - 01/86

Birmingham, AL

**PROFESSIONAL APPOINTMENTS**

Instructor

Obstetrics and Gynecology

University of Alabama Medical Center

Birmingham, AL

01/84 - 01/86

Instructor

Obstetrics and Gynecology

Georgetown University Medical School

Washington, DC

01/86 - 06/87

Lombardi Cancer Center - Faculty Appt.

Georgetown University Medical School

Washington, DC

01/86 - Present

Associate Professor

Obstetrics and Gynecology

Georgetown University Medical School

Washington, DC

06/87 - Present

**HONORS (continued)**

Good Housekeeping  
401 Best doctors for Women

August 1997

**RESEARCH AND GRANTS**

\$6,000 Award from Georgetown University Biomedical Research Support Grant for A Study on Thromboxane Synthetase Inhibitor (CGS-13080) Effect on Blood Flow in Radiated Canine Intestine. May 1986.

\$5,000 from Ciba-Geigy for Continued Studies on Thromboxane Synthetase Inhibitor (CGS-13080) Effect on Blood Flow in Radiated Canine Intestine. September 1986.

\$10,000 Research Education Grant from U.S. Surgical Corp. 1990.

\$10,000 Research Education Grant from U.S. Surgical Corp. 1991.

Co-Principle Investigator, Gynecologic Oncology Group (N.C.I. - cooperative group). 1991-Present. \$200,000.

Co-Chairman: A Study of BB-94 by Intraperitoneal Infusion in Patients with Advanced Cancer. British Bio - Technology, Ltd. November 1993 - 1994. \$40,000.

Co-Principle Investigator: An Open Multicenter Phase II Study of Intravenous Topotecan Given as Five Daily Doses in Advanced Ovarian Cancer to Patients Failing Paclitaxol and Cisplatin. November 1993 - December 1994. Smith Kline Beecham Pharmaceuticals. \$7,000.

Co-Principle Investigator: Phase II Open Label Dose Escalation Study of BB2516 in Patients with Serologically Progressing Ovarian Cancer. Spring 1995 - 1996. \$40,000.

Co-Principle Investigator: Genetic Counseling for Ovarian Cancer Risk. Georgetown University Hospital.

Co-Investigator: Multicenter Study of Women's Health: Case-Control Study of Adenocarcinomas of the Uterine Cervix. \$79,440.

Co-Investigator: An Open-Label, Randomized, Comparative, Multicenter Phase III Study of Oral versus Intravenous Topotecan as a Single Agent, Second-Line Therapy Administered for Five Days in Patients with Advanced Ovarian Cancer. Smith Kline Beecham. 1997. \$26,000.

Principal Investigator: A Randomized, Double-Blind Comparison of Oral Ondansetron and Intravenous Granisetron in the Prevention of Nausea and Vomiting Associated with Moderately-High Emetogenic Chemotherapy. Glaxo Wellcome. 1997. \$30,000.

Principal Investigator: A Phase III Randomized Open Label Study of DOXIL/CAELYX versus Topotecan HCl in Patients with Epithelial Ovarian Cancer Following Failure of First-Line, Platinum-Based Chemotherapy. Sequus. 1997. \$46,000.

Principal Investigator: A Single-Blind, Controlled, Randomized, Multicenter, Prospective Evaluation of Absorbable Poly (L-Lactide/Glycolide) Suture in Abdominal Fascia Closure in Patients with Compromised Wound Healing. Ethicon, Inc./Johnson & Johnson. 1997. \$8,000.

### BOARD CERTIFICATION

National Boards Parts I, II, III	June 1975, September 1976, March 1978
American Board of Obstetrics and Gynecology (written)	June 1983
American Board of Obstetrics and Gynecology Gynecologic Oncology Boards (written)	June 1987
American Board of Obstetrics and Gynecology (oral)	December 1987
American Board of Obstetrics and Gynecology Gynecologic Oncology Boards (oral)	December 1988

### HOSPITAL APPOINTMENTS

Attending Staff, Carraway Methodist Hospital  
Birmingham, AL  
July 1985 - December 1985

Attending Staff, Veterans Administration Hospital  
Birmingham, AL  
July 1985 - December 1985

Georgetown University Hospital  
Washington, DC  
January 1986 - Present

District of Columbia General Hospital  
Washington, DC  
November 1986 - Present

Arlington Hospital  
Arlington, VA  
September 1986 - Present

Sibley Memorial Hospital  
Washington, DC  
January 1986 - Present

**ABSTRACTS PRESENTED/POSTER SESSIONS**

1. "Prognostic Value of Peritoneal Washings in Patients with Malignant Mixed Mullerian Tumors of the Uterus." Holcombe, GE, Spzack, CA, Creasman WC, Barter JF, Harris RE, Johnston, WW. Annual Scientific Meeting of the American Society of Cytology, Atlanta, GA, November 1984.
2. "Leiomyosarcoma: 12 Year Experience at Duke University." Barter, JF, Smith, IB, Spzack, CA, Clarke-Pearson, DC, Creasman, WC. Obstetrics and Gynecology Resident Presentation Day. June 30, 1983.
3. "Update on In Utero DES Exposure." Barter, JF. Postgraduate Course Gynecologic Oncology, Southern Medical Association, New Orleans, LA, November 1984.
4. "Complications of Radical Hysterectomy Followed by Radiation Therapy for Early Stage Cervical Cancer." Barter, JF, Shingleton, HM, Soong, SJ, Hatch, KD, Orr Jr., JW. Mid Atlantic Gynecologic Oncology Society, Hot Springs, VA, September 20, 1986. *writing?*
5. "Complications of Radical Hysterectomy Followed by Radiation Therapy for Early Stage Cervical Cancer." Barter, JF, Shingleton, HM, Soong, SJ, Hatch, KD, Orr Jr., JW. American College of Obstetrics and Gynecology District IV, San Juan, PR, November 17, 1986. *writing*
6. "Oral Methotrexate for Treatment of Nonmetastatic Gestational Trophoblastic Disease." Barter, JF, Soong, SJ, Hatch, KD, Orr Jr., JW, Partridge, EC, Austin Jr., JM, Shingleton, HM. Felix Rutledge Gynecologic Oncology Society, Houston, TX, May 1987.
7. "Oral Methotrexate for Treatment of Nonmetastatic Gestational Trophoblastic Disease." Barter JF, Soong SJ, Hatch KD, Orr Jr., JW, Partridge EC, Austin Jr., JM, Shingleton HM. Marriott Marquis Hotel, American College of Obstetrics and Gynecology District IV, Atlanta, GA, November 1987.
8. "A Phase I/II Study of Intraperitoneally Administered Doxorubicin Entrapped in Cardiolipin Liposomes in Patients with Ovarian Cancer." Potkul, RK, Delgado, G, Treat, JA, Lewandowski, GS, Barter, JF, Forst, D, Rahman A. Middle Atlantic Gynecologic Oncology Society, Baltimore, MD, October 1988.
9. "Analysis of Pre-operative Historical Features and Extent of Surgery as Predictors of Major Complications in Patients Receiving Adjuvant External Beam Radiotherapy for Stage I Endometrial Cancer." Lewandowski, G, Torrisi, J, Barnes, W, Potkul, R, Barter, JF, Delgado, G. Mid Atlantic Gynecologic Oncology Society, Baltimore, MD, October 1988.

10. "Cervical Cyliindrectomy for Cervical Dysplasia." Lencke, S, Delgado, G, Barnes, W, Barter, JF, Potkul, R. Mid Atlantic Gynecologic Oncology Society, Baltimore, MD, October 1988.
11. "Risk Factors Associated with Development of Clostridium Difficile Diarrhea on a Gynecologic Service." Waggoner, S, Holloway, R, Lewandowski, G, Potkul, R, Barter, JF, Barnes, W, Delgado, G. The Felix Rutledge Society, London, England, 1989.
12. "Effects of Human Interferon on Human Papillomavirus Induced Lesions of Human Epithelial Xenografts in Athymic Mice." Barnes, W, Delgado, G, Holloway, R, Jenson, A, Weck, P, Kreider, J, Barter, JF, Potkul, R, Johnson, C, Lancaster, W. The Felix Rutledge Society, London, England, 1989.
13. "Effects of Human Interferon on Human Papillomavirus Induced Lesions of Human Epithelial Xenografts in Athymic Mice." Barnes, W, Delgado, G, Holloway, R, Jenson, A, Weck, P, Kreider, J, Barter, J, Potkul, R, Johnson, C, Lancaster, W. The Mid Atlantic Gynecologic Oncology Society, Williamsburg, VA, 1989.
14. "Risk Factors Associated with Development of Clostridium Difficile Diarrhea on a Gynecologic Service." Waggoner, S, Holloway, R, Lewandowski, G, Potkul, R, Barter, JF, Barnes, W, Delgado, G. The Mid Atlantic Gynecologic Society, Williamsburg, VA, 1989.
15. "Cervical Cancer Pulmonary Metastases." Barter, JF, Soong, SJ, Hatch, KD, Orr Jr., JW, Shingleton, HM. Society of Gynecologic Oncologists, San Francisco, CA, February 1990.
16. "Low Dose Infusional 5Fu as Salvage Therapy for Ovarian Cancer." Jarvis, T, Barter, JF, Potkul, R, Barnes, W, Delgado, G. Georgetown University Dept. OB/GYN Resident Research Day Presentations, Washington, DC, June 1990.
17. "Intravenous Pyelograms Following Radical Hysterectomy." Olah, E, Potkul, R, Barter, JF, Delgado, G, Barnes, W. Georgetown University Dept. of OB/GYN Resident Research Day Presentations, Washington, DC, June 1990.
18. "Use of Groshong Catheter for Intraperitoneal Treatment." Waggoner, S, Johnson, J, Barter, JF, Barnes, W, Potkul, R, Delgado, G. Mid Atlantic Gynecologic Oncology Society, Wilmington, NC, October 1990.
19. "Radical Hysterectomy in Older Patients." Shuster, P, Barter, JF, Potkul, R, Barnes, W, Delgado, G. American College of Obstetricians and Gynecologists District IV, White Sulphur Springs, WV, October 1990.
20. "Colonic Surgery on Previously Irradiated Pelvic Malignancies." Burnett, AF, Potkul, RK, Barnes, W, Barter, JF, Delgado, G. American Association of Obstetrics and Gynecology District IV, Greenbrier, White Sulphur Springs, WV, October 1990.

21. "Manometric Characterization of Rectal Dysfunction Following Radical Hysterectomy." Barnes, W, Delgado, G, Maher, K, Potkul, R, Barter, JF, Waggoner, S, Johnson, J, Benjamin, S. Society of Gynecologic Oncologists, Orlando, FL, February 1991. President's Award Paper
22. "Reverse Hysterocolpistgmolectomy (RHCS) For Resection of Pan-Pelvic Tumors." Barnes, W, Johnson, Barter, JF, Potkul, R, Delgado. Society of Gynecologic Oncologists, Orlando, FL, February 1991.
23. "Use of the Blopty Gun in Gynecologic Oncology." Johnson, J, Waggoner, S, Potkul, RK, Barter, JF, Barnes, W, Delgado, G. Mid-Atlantic Gynecologic Oncology Society Sixth Annual Meeting, Ocean City, MD, October 1991.
24. "Response of HPV Lesions to Interferon in Human Xenografts." Barnes, W, Jenson, A, Johnson C, Holloway R, Delgado G, Barter JF, Potkul, R, Lancaster W. Society of Gynecologic Oncologists, San Antonio, TX, March 1992.
25. "Inefficacy of Continuous Infusional 5-Fluorouracil For Refractory Ovarian Cancer." Burnett, AF, Barter, JF, Hines, I, Jarvis, TJ, Johnson, JC, Barnes, W. Mid-Atlantic Gynecologic Oncology Society, Richmond, VA, October 1992.
26. "Groshong Central Line Placement Under Fluoroscopy." Burnett, AF, Lossef, SV, Barth, KH, Barter, JF, Johnson, JC, Barnes, W. Mid-Atlantic Gynecologic Oncology Society, Richmond, VA, October 1992.
27. "Ineffectiveness of Low Dose Continuous Infusional 5-Fluorouracil for Refractory Ovarian Cancer." Barter, JF, Jarvis, TJ, Potkul, RK, Johnson, JC, Barnes, W. American College of Obstetrics and Gynecology District IV, San Juan, PR, November 1992. From the Podium.
28. "Human Papillomavirus 18 as a Risk Factor for Recurrent Cervical Cancer." Burnett, AF, Johnson, J, Grendys, E, Willett, G, Barter, JF, Barnes, W. American College of Obstetrics and Gynecology District IV, San Juan, PR, November 1992. Poster Presentation
29. "Human Papillomavirus 18 as a Risk Factor For Recurrent Cervical Cancer." Burnett, AF, Barnes, W, Johnson, JC, Grendys, E, Barter, JF, Willett, G, Doniger, Gynecol Oncol 49 (1), 127-128, 1993. 24th Annual Meeting, Society of Gynecologic Oncologists, Palm Desert, CA, February 1993.
30. "Accuracy of In-Cyt-103 Immunoscintigraphy in Ovarian Cancer Patients Undergoing Second-Look Laparotomy." Waggoner, S, Barnes, W, Barter, JF, Delgado, G, Keyes, Gynecol Oncol 49 (1), 143-144, 1993. 24th Annual Meeting, Society of Gynecologic Oncologists, Palm Desert, CA, February 1993.



31. "Preservation of Multiple Oncogenic Human Papillomavirus Types in Recurrences of Early Stage Cervical Cancers." Burnett, AF, Moore, J, Grendys E, Willett, G, Johnson JC, Barter, JF, Barnes, W. Middle Atlantic Gynecologic Oncology Society Meeting, Georgetown University Medical Center, Washington, DC, September 1993.
32. "Vulvar Reconstruction Using a Mons Pubis Pedicle Flap." Potkul, R, Barnes, W, Barter, JF, Delgado, G, Spear, S. 25th Annual Meeting Society of Gynecologic Oncologists, Orlando, FL, February 1994.
33. "Morbidity of Second Look Laparotomy: The Georgetown University Hospital Experience." Mahire, K, Hines, J, Johnson JC, Barnes W, Barter JF. Resident Research Day. Washington, DC, November 1994.
34. "Does Pelvic Radiation Alter Papanicolaou Smear Interpretation by the Computerized Papnet System?" Barter JF, Willett G, Moore JL, deBrito P, Laver N, Mango LJ. American College of Obstetrics and Gynecology, Bermuda, October 1995.
35. "Poly (ADP-ribose) Polymerase Differences in African American versus Caucasian Women with Cervical Cancer." Roberts, C, Barter, JF, Smulson, M. Georgetown University Dept. of OB/GYN 14th Annual Resident Research Day, Washington, DC, December 1995.
36. "Phase I Trial of Baltimastat (BB-94), A Novel Matrix Metalloproteinase Inhibitor in Patients with Advanced Cancer." Wojtowicz-Praga, S, Low, J, Marshall, J, Ness, E, Dickson, R, Barter, JF, Sale, M, McCann, P, Cole, A, Hawkins, M. American Society Clinical Oncologists, Philadelphia, PA, May 1995.
37. "Cancer Screening Practices in Women from High Risk Breast Cancer Families." Isaacs, C, Peshkin, B, Reutenauer, J, Reed, M, Main, D, Magnant, C, Pennanen, M, Berg, C, Barter, JF. Submitted for presentation ASCO Annual Meeting, Denver, CO, May 17-20, 1997.
38. "The Clinical Significance of the "AGUS" (Atypical Glandular Cells of Uncertain Significance) Pap Smear." Sarafian, M, Webb, K, Barter, JF. Georgetown Residents' Projects Seminar, Washington, DC, November 1997.
39. "Prolonged Oral Etoposide In Recurrent or Advanced Leiomyosarcoma of the Uterus: A Gynecologic Oncology Group (GOG) Study." Rose, P, Blessing, J, Soper, J, Barter JF. 29th Annual Meeting of the Society of Gynecologic Oncologists, Orlando, FL, February 7-11, 1998.

**PEER REVIEW JOURNALS**

1. Barter JF, Addison WA, Hidalgo H, Hammond CB: Inferior Vena Cava Thrombosis with Oral Contraceptives: Documented by Computer Tomography. *Obstet & Gynecol* 61, 595, 1983.
2. Barter JF, Addison WA, Rosenberg ER, Hammond CB: Anterior Sacral Meningocele Presenting as a Pelvic Mass Diagnosed only at Cellotomy after Extensive Workup. *J Reprod Med* 2, 684, 1983.
3. Eden RD, Wahbeh CJ, Barter JF, Williams AY, Killam AP, Gall SA: Serial Nephelometric Urinary Profile as an Index of Renal Involvement in Severe Pregnancy Induced Hypertension. *Am J Obstet & Gynecol* 147, 106, 1983.
4. Holcomb, G, Creasman, WT, Barter, JF, Johnston, WW, Szpak, CA: Peritoneal Washings in Patients with Mixed Mullerian Tumors of the Uterus. *Acta Cytologica*, 28, 632-655, 1984.
5. Barter JF, Smith EB, Spzack C, Clarke-Pearson D, Creasman WC: Leiomyosarcoma of the Uterus; Clinicopathologic Study of 21 Cases. *Gynecol Oncol* 21 (2), 220, 1985.
6. Barter JF, Austin Jr. JM, Shingleton HM: In Utero DES Exposure Associated with Adenocarcinoma of the Endometrium. *Obstet & Gynecol* 67, 84S, 1986.
7. Geszler G, Szpak CA, Harris RE, Creasman WT, Barter JF, Johnston WW: Prognostic Value of Peritoneal Washings in Patients with Malignant Mixed Mullerian Tumors of the Uterus. *Am J Obstet & Gynecol* 155, 83-9, 1986.
8. Barter JF, Hatch KD, Orr Jr. JW, Shingleton HM, Gore H: Isolated Abdominal Wound Recurrence of an Endometrial Adenocarcinoma Confined to a Polyp. *Gynecol Oncol* 25 (3), 372-375, 1986.
9. Barter JF, Orr Jr. JW, Hatch KD, Shingleton HM: Diethylstilbesterol in Pregnancy: An Update. *South Med J* 79 (12), 1531-1534, 1986.
10. Barter JF, Addison WA, Livengood CH, Rosenberg ER: Calcific Pelvic Lymphadenopathy Presenting as a Post Menopausal Adnexal Mass: A Case Report. *J Reprod Med* 29 (3), 209-213, 1984.
11. Orr Jr. JW, Barter JF, Kilgore LC, Soong SJ, Shingleton HM, Hatch KD: Closed Suction Pelvic Drainage after Radical Pelvic Surgical Procedures. *Am J Obstet & Gynecol* 155, 867-71, 1986.
12. Barter JF, Orr Jr. JW, Holloway RW, Hatch KD, Shingleton HM: Psammoma Bodies in a Cervicovaginal Smear Associated with an Intrauterine Device. *J Reprod Med* 32, 147-8, 1987.

13. Barter JF, Mazur MW, Holloway RH, Hatch KD: Melanosis of the Cervix. *Gynecol Oncol* 29, 101-4, 1988.
14. Barter JF, Soong SJ, Hatch KD, Orr Jr. JW, Partridge EC, Austin Jr. JM, Shingleton HM: Treatment of Nonmetastatic Gestational Trophoblastic Disease with Oral Methotrexate. *Am J Obstet & Gynecol* 157, 1166-1168, 1987.
15. Barter JF, Shingleton HM: Treatment of Lymph Node Metastases in Cervical Cancer. *Alabama J Med Sciences*, 23 (1), 19-22, 1986.
16. Barter JF, Shingleton HM, Soong SJ, Hatch KD, Orr Jr. JW: Complications of Combined Radical Hysterectomy - Post Operative Radiation Therapy in Women with Early Stage Cervical Cancer. *Gynecol Oncol* 32, 292-6, 1989.
17. Barter JF, Szpack C, Creasman WT: Uterine Leiomyomas with Retroperitoneal Lymph Node Involvement. *South Med J*, 80, 1320-1322, 1987.
18. Barter JF, Soong SJ, Hatch KD, Orr Jr. JW, Partridge EC, Austin Jr. JM, Shingleton HM: Treatment of Nonmetastatic Gestational Trophoblastic Disease with Sequential Intramuscular then Oral Methotrexate. *Gynecol Oncol* 33, 82-84, 1989.
19. Torrisi JR, Barnes W, Popescu G, Whitfield G, Barter JF, Lewandowski G, Delgado G: Postoperative Adjuvant External-Beam Radiotherapy in Stage One Endometrial Carcinoma. *Cancer* 64, 1414-1417, 1989.
20. Delgado G, Potkul R, Treat JA, Lewandowski G, Barter JF, Forst D, Rahman A: A Phase I/II Study of Intraperitoneally Administered Doxorubicin Entrapped in Cardiolipin Liposomes in Patients with Ovarian Cancer. *Am J Obstet & Gynecol* 160, 812-9, 1989.
21. Barnes W, Delgado G, Holloway R, Jensen A, Weck P, Kreider J, Barter JF, Potkul R, Johnson JC, Lancaster W: Effect of Human Interferon on Human Papillomavirus Induced Lesions of Human Epithelial Xenografts in Athymic Mice. *Gynecol Oncol* 32, 114, 1989.
22. Barter JF, Marlow D, Kamath RK, Harbert J, Torrisi JR, Barnes W, Potkul R, Newsome JT, Delgado G: Thromboxane Synthetase Inhibitor (CGS-13080) Effect On Blood Flow In Radiated Canine Intestine. *Gynecol Oncol* 40, 218-21, 1991.
23. Shuster PA, Barter JF, Potkul R, Barnes W, Delgado G: Morbidity After Radical Hysterectomy in Relation to Age. *Obstet & Gynecol* 78, 77-79, 1991.
24. Barter JF: Life and Contributions of George N. Papanicolaou. *Surg Gyn Obstet* 174, 530-532, 1992.
25. Barnes W, Johnson J, Waggoner S, Barter JF, Potkul R, Delgado G: Reverse Hysterocolposigmoidectomy (RHCS) for Resection of Panpelvic Tumors. *Gynecol Oncol* 42, 151-155, 1991.

26. Barnes W, Waggoner S, Delgado G, Maher K, Potkul R, Barter JF, Benjamin S: Manometric Characterization of Rectal Dysfunction Following Radical Hysterectomy. *Gynecol Oncol* 42, 116-119, 1991.
27. Burnett AF, Barnes WA, Johnson JC, Grendys E, Willett G, Barter JF, Doniger J: Prognostic Significance of Polymerase Chain Reaction Detected Human Papillomavirus of Tumors and Lymph Nodes in Surgically Treated Stage IB Cervix Cancer. *Gynecol Oncol* 47, 343-347, 1992.
28. Burnett AF, Potkul R, Barter JF, Barnes W, Delgado G: Colonic Surgery in Gynecologic Oncology: Risk Factors For Morbidity. *J Reprod Med*, 38 (2), 137-141, 1993.
29. Potkul R, Barnes W, Barter JF, Delgado G, Spear S: Vulvar Reconstruction Using A Mons Pubis Pedicle Flap. *Gynecol Oncol* 55, 21-24, 1994.
30. Burnett AF, Grendys E, Willett G, Johnson JC, Barter JF, Barnes W: Preservation of Multiple Oncogenic Human Papillomavirus Types in Recurrences of Early Stage Cervical Cancers. *Am J Obstet & Gynecol* 170, 1230-3, (1993).
31. Burnett AF, Barter JF, Johnson JC, Potkul R, Jarvis T, Barnes W: Inefficacy of Low Dose Continuous Infusional 5-Fluorouracil as Salvage Therapy for Ovarian Cancer. *Am J Clin Oncol* 17 (6), 490-493, 1994.
32. Burnett AF, Lossef SV, Barth KH, Grendys E, Johnson JC, Barter JF, Barnes W: Insertion of Groshong Central Venous Catheters Utilizing Fluoroscopic Techniques. *Gynecol Oncol* 52 (1), 69-73, 1994.
33. Waggoner S, Johnson J, Barter JF, Barnes W: Intraperitoneal Therapy Administered Through a Groshong Catheter. *Gynecol Oncol* 53, 320-325, 1994.
34. Waggoner S, Barter JF, Delgado G, Barnes W: Case-Control Analysis of Clostridium Difficile Associated Diarrhea on a Gynecologic Oncology Service. *Infectious Diseases in Obstetrics and Gynecology* 2, 154-161, 1994.
35. Hines J, Tucker A, Grendys E, Ascher S, Barter JF: Spontaneous Preoperative Internal Jugular and Subclavian Vein Thrombosis Associated with an Early Stage Synchronous Ovarian/Endometrial Malignancy. *Gynecol Oncol* 56, 298-301, 1995.
36. Wojtowicz-Praga S, Low J, Marshall J, Ness E, Dickson R, Barter JF, Sale M, McCann P, Cole A, Hawkins M: Pharmacokinetics of Batimastat (BB94): A Novel Matrix Metalloproteinase Inhibitor in Patients with Ovarian Cancer. *Proc Am S Clin Oncol* 14, 474, Abst 1536, 1995.
37. Moore J, Barter JF: Second Look Laparotomy. *Semin Surg Oncol* 10 (4), 276-82, 1994.

38. Hughes C, Gomez-Caminero A, Benkendorf J, Isaacs C, Barter JF, Lerman C: Factors Associated with Knowledge about Inherited Breast-Ovarian Cancer Susceptibility. (Submitted for Publication) 1996.
39. Kustiel M, Javedan K, Barter, JF. Treatment of Locally Advanced Vaginal Cancer Presenting in the Rectovaginal Septum using External Beam Irradiation and High Dose Rate Brachytherapy. (Submitted for Publication) Gynecol Oncol, 1996.
40. Wojtowicz-Praga S, Low J, Marshall J, Ness E, Dickson R, Barter JF, Sale M, McCann P, Moore J, Cole A, Hawkins MJ: Phase I Trial of a Novel Matrix Metalloproteinase Inhibitor Batimastat (BB-94) in Patients with Advanced Cancer. Investigational New Drugs 14, 193-202, 1996.
41. Malfetano J, Teng N, Moore D, Barter JF, Lopez A, Schink J, Cole A, Rasmussen H: Marmimastat, a Novel Matrix Metalloproteinase Inhibitor in Patients with Advanced Cancer of the Ovary: A Dose-Finding Study. Proc Am Soc Clin Oncol 15, 283, 1996.
42. Rose P, Blessing J, Soper J, Barter JF: Prolonged Oral Etoposide in Recurrent or Advanced Leiomyosarcom of the Uterus: A Gynecologic Oncology Group Study. (Submitted for Publication) Gynecol Oncol, 1997.
43. Hughes C, Gomez-Caminero A, Benkendorf J, Kerner J, Isaacs C, Barter JF, Lerman C: Ethnic Differences in Knowledge and Attitudes about BRCA-1 Testing in Women at Increased Risk. Patient Education and Counseling 32, 51-62, 1997.
44. Spear S, Pennanen M, Barter JF, Burke JB: Prophylactic Mastectomy, Oophorectomy, Hysterectomy and Immediate "TRAM" Flap Breast Reconstruction in a BRCA-1 Positive Patient. (Submitted for Publication), 1997.

#### ARTICLES - NON PEER REVIEW

1. Barter JF: Gynecologic Oncology Teaching Module. Georgetown University Medical Center. 1986, 1988, 1991, 1995.
2. Barter JF, Sanz LE: Staplers for Gynecologic Surgery - What's Available? Contemp Obstet Gynecol, October 87-99, 1986.
3. Barter JF, Sanz LE: Surgical Staples. Contemp Obstet Gynecol January 1988.
4. Holloway RW, Barnes WA, Barter JF: The CO2 laser: A Guide to Its Use in Lower Genital Tract Disorders. Part I: Cervical Intraepithelial Neoplasia and Excisional Cone Biopsy. The Female Patient 13, 13 - 19, 1988.
5. Holloway RW, Barnes W, Barter JF: The CO2 Laser: A Guide to Its Use in Lower Genital Tract Disorders. Part II: Vaginal and Vulvar Lesions and Condylomata Acuminata. The Female Patient 13, 14 - 19, 1988.

6. Barter JF, Sanz LE, Burnett AF. Latest on Staplers. *Contemp Obstet Gynecol* 40, 18, April 15, 1995.
7. Barter JF: Pseudomyxoma Peritonei. Cancer Case Presentations; The Tumor Board: Commission of Cancer of the American College of Surgeons: In Press.

#### BOOK CHAPTERS

1. Barter JF, Sanz LE. Surgical Staplers. Contemporary Gynecologic Surgery, Luis Sanz, M.D., editor, Medical Economics, Oradelle, NJ, 1988.
2. Barter JF, Barnes W. Second Look Laparotomy. Ovarian Cancer, Rubin S, Sutton G, editors, McGraw Hill, New York, NY, 1992.
3. Grondys E, Barter JF, Barnes, W. Gestational Trophoblastic Disease. Laboratory in Obstetrics and Gynecology, Gerald D. Willett, M.D., editor, Blackwell Scientific Inc., Boston, MA, 1993.
4. Barter JF, Burnett AF, Sanz LE. Innovations in Surgical Staplers. Gynecologic Surgery, Luis Sanz, M.D., editor, 1994.
5. Sanz LE, Barter JF. Laparoscopic Assisted Vaginal Hysterectomy. Gynecologic Surgery, Luis Sanz, M.D., editor, 1994.
6. Moore J, Barter JF. Second Look Laparotomy. Clinical Obstet and Gynecol, (28) 185-192, Hugh Shingleton, M.D., editor, 1994.

IN THE SUPERIOR COURT OF DISTRICT OF COLUMBIA  
CIVIL DIVISION

MARIAH KELLER,

Plaintiff

vs.

CYTOLOGY SERVICES OF MARYLAND,  
INC., et al.

Defendants

\*  
\*  
\*  
\*  
\*  
\*  
\*  
\*  
\*

Civil No. 01CA008316  
Calendar 7, Judge Beck  
Next Event: Discovery Closed  
12/30/02

**SECOND SUPPLEMENTAL 26(B)(4) STATEMENT OF  
DEFENDANT CYTOLOGY SERVICES OF MARYLAND, INC.**

Comes now, the Defendant, Cytology Services of Maryland, Inc.

(hereinafter CSM), by and through undersigned counsel, submits the following  
supplement to the 26(b)(4) Statement previously filed:

1. Howard Adelman, M.D.  
New York, New York

Dr. Adelman has already been deposed and provided his opinions with  
regard to the cytology specimens at issue in this case.

2. James S. Barter, M.D.  
5698 Searl Terrace  
Bethesda, Maryland 20816

In addition to the previously identified opinions of Dr. Barter, it is expected  
that he will testify about the course of care and treatment that the Plaintiff would  
have received had the cancer been diagnosed in January, 1999, January, 2000  
and September, 2000. Specifically it will be his testimony, to a reasonably degree  
of probability, that had the Plaintiff been diagnosed with cancer in January, 1999

that she would have needed the same or similar operation that was accomplished in January, 2001. This will also be Dr. Barter's testimony with regard to January, 2000 and September, 2000. He will also testify about the Plaintiff's need for adjuvant therapies at these three earlier time frames. It is his expected testimony that the Plaintiff would have needed adjuvant therapies had she been diagnosed at these earlier time frames. Furthermore, Dr. Barter will address the Plaintiff's current complaints and symptoms. It is expected that he will testify that these symptoms or complaints would probably have existed even if the cancer had been diagnosed in January, 1999, January, 2000 or September, 2000. The basis for this opinion is that the Plaintiff would have needed the same or similar operation and care and treatment that she received in January, 2001 if the cancer had been diagnosed at the earlier referenced time frames.

Finally, Dr. Barter will testify about Ms. Keller's prognosis, both currently and at the earlier time frames referenced.

3. Preston C. Sachs, M.D.  
2240 M Street, NW, Suite 401  
Washington, DC 20037


In addition to the statements previously provided concerning the opinions of Dr. Sachs, it is expected that he will testify that through a surrogate mother, the Plaintiff, to a reasonable degree of probability, can have a biological child, if she so desires. He will testify about the process of invitro fertilization, both in general terms and in relationship to the Plaintiff's condition. Furthermore, he will testify



about general issues concerning the Plaintiff's fertility and the impact or affect of her prior course of care and treatment and her cancer.

This Defendant reserves the right to utilize any treating physicians, including Dr. Lin and Dr. Gindorf, as previously identified in the original 26(b)(4) Statement. Furthermore, this Defendant reserves the right to utilize experts identified by Co-Defendant Abraham as if completely identified and referenced in this 26(b)(4) Statement.

Respectfully Submitted,



Andrew E. Vernick, Esquire, #367716  
Wharton, Levin, Ehrmantraut & Klein  
104 West Street  
Annapolis, Maryland 21404  
(410) 263-5900  
Attorneys for Defendant  
Cytology Services of Maryland, Inc. and  
William Jaffurs, M.D.

**CERTIFICATE OF SERVICE**

I HEREBY CERTIFY that on this 16<sup>th</sup> day of December, 2002, a copy of the foregoing Defendant Cytology Services of Maryland, Inc.'s Second Supplemental Rule 26(b)(4) Statement was faxed and mailed, first class, postage prepaid to:

Jack H. Olender, Esquire  
Jack H. Olender & Associates  
888 - 17<sup>th</sup> Street, NW  
Fourth Floor  
Washington, DC 20006

Joseph Montedonico, Esquire  
Patricia M. Tazzara, Esquire  
Montedonico, Belcuore & Tazzara, PC  
1020 19<sup>th</sup> Street, NW, Suite 420  
Washington, DC 20006



Andrew E. Vernick

