

STATE OF OHIO
COUNTY OF CUYAHOGA

Case No. 346,907
Judge Mannan

- - -

IN THE COURT OF COMMON PLEAS

- - -

JAMES SCARLETT, et al.,

Plaintiffs,

DEPOSITION OF

vs.

W. E. BARZELL, M.D.

Arthur Porter, et al.,

Defendants.

..... /

TAKEN BY: Defendant Herein

REPORTED BY: Betsy Ridenour
Court Reporter and Notary Public
State of Florida at Large

DATE: January 27, 1999
Commencing at 5:00 p.m.

PLACE: 1921 Waldemere Street
Sarasota, Florida

APPEARANCES:

HOWARD D. MISHKIND, ESQ.
 Becker & Mishkind Co., L.P.A.
 1600 W. 2nd Street
 Suite 660
 Cleveland, Ohio 44113
 Appearing on behalf of the
 Plaintiffs

EDWARD J. CASS, ESQ.
 Gallagher, Sharp, Fulton & Norman
 1501 Euclid Avenue
 7th Floor
 Cleveland, Ohio 44115
 Appearing on behalf of the
 Defendants

Also Present: James Scarlett

INDEX OF EXAMINATION AND EXHIBITS

	Page
Direct Examination by Mr. Cass	3

EXHIBITS MARKED FOR IDENTIFICATION

	Page
Exhibit 1	20
Exhibit 2	22
Exhibit 3	23

1 Thereupon,

2 W. E. BARZELL, M.D.

3 was called for examination, and having been first
4 duly sworn by the Court Reporter, was examined and
5 testified as follows:

6 DIRECT EXAMINATION

7 BY MR. CASS:

8 Q. Dr. Barzell, my name is Edward Cass.

9 A. Okay.

10 Q. And I represent Dr. Porter in this
11 litigation. I'm sure you're aware of that
12 litigation.

13 A. Okay.

14 Q. I'm going to ask you some questions
15 about your treatment of Mr. Scarlett.

16 A. Sure, okay.

17 Q. If for any reason you don't -- has your
18 deposition been taken before? I presume it has.

19 A. In this case?

20 Q. Not in this case, but in other cases?

21 A. Yes.

22 Q. Okay. Feel free to tell me if you don't
23 understand my question and I'll be glad to rephrase
24 it.

25 A. Okay.

1 Q. I would kind of like to start with what
2 treatments you have been administering -- you are
3 the primary care physician, I take it, for
4 Mr. Scarlett; is that correct?

5 A. I'm a urologist.

6 Q. The last record I have is -- I believe
7 it was January of 1998.

8 A. Uh-huh.

9 Q. It's a year old now. Could you tell me
10 what you have -- what has transpired with
11 Mr. Scarlett from a urological standpoint since
12 that time?

13 A. Well --

14 Q. Let me see if I can find the record
15 here.

16 MR. MISHKIND: Do you want to look at
17 mine and save some time?

18 MR. CASS: Yes.

19 (Document handed to counsel.)

20 THE WITNESS: He was seen here July '98
21 and -- well, first of all, he's been having
22 his suprapubic tube changed on a periodic
23 basis.

24 BY MR. CASS:

25 Q. That seemed to be what you were seeing

1 him for much of the time --

2 A. Right.

3 a. -- on these most recent notes there.

4 A. Right, yes, he gets his tube changed.

5 And we do periodic cultures, and if he gets a fever

6 we treat him. And then in June -- I usually look

7 in because the suprapubic tube can develop --

8 Q. Let's just take it from January 5th.

9 That's the last entry I have. Or January 28th,

10 excuse me, is the last entry I have here, and there

11 are two handwritten notes here. I'm not sure if

12 they're your handwriting or whatever.

13 A. Well, I don't --

14 Q. Look at 1/5/98.

15 A. I don't have that.

16 MR. MISHKIND: Why don't you hand the

17 doctor --

18 MR. CASS: Yes. It's not marked, but

19 just so you know what I'm talking about.

20 (Document handed to witness.)

21 THE WITNESS: I don't know where that

22 note is. It's not here.

23 BY MR. CASS:

24 Q. Why don't we just start in January of

25 1998, and take us up to the present time.

1 A. Well, I'm trying to tell you, I don't
2 know where that note is in my chart. It's not
3 there.

4 MR. MISHKIND: This copy came from your
5 office, so it's got to be someplace.

6 THE WITNESS: Yes, it's from here, but I
7 don't know how it -- they maybe copied it and
8 when they put it back in they misfiled it.
9 So I don't know where that is. But this is
10 my file, so I can tell you.

11 So January 5th he came for an SP tube
12 change. January 28th he came for an SP tube
13 change. That's a suprapubic tube change.

14 BY MR. CASS:

15 Q. Okay. Can we just follow up from there,
16 until the present time?

17 A. Oh, there it is. I found it. Okay.
18 Sorry. January 5th he had an SP tube change. The
19 29th --

20 Q. And an SP tube is what?

21 A. Suprapubic tube.

22 Q. All right. Is that the same thing as a
23 Foley catheter?

24 A. No, it's a -- a Foley catheter goes in
25 the stomach, which he had to have because his

1 urethra is not passable. You can't get into his
2 bladder.

3 Q. What happened after January 28th?

4 A. February 23rd he had an SP tube change.
5 March 16th, SP tube change. Isn't it better just
6 to get a copy of all this?

7 Q. Yes, I would like a copy.

8 A. Do you want me to go through every one
9 of these?

10 Q. Just so I know what we are talking
11 about.

12 A. Well, he has SP tube changes every three
13 or four weeks here.

14 Q. Just give me the dates. It looks like
15 you have quite a bit of notes since -- within the
16 last year?

17 A. Yes. These are all nurse's notes
18 relative to SP tube changes. Wouldn't it be easier
19 if I would just send them to you?

20 Q. That would be fine. That would be fine.

21 A. Okay.

22 Q. Have you seen him or have you treated
23 him for anything other than the tube changes?

24 A. I was going to tell you when I saw him.

25 Q. Okay, do that.

1 A. Okay. On June 29th of '98 he came in,
2 and at that time he had a urine culture showing
3 Serratia, Klebsiella, which are organisms which
4 will block a tube when you have a tube in.

5 And I scoped him because you need to
6 make sure that there is no stones or tumors that
7 develop around the tube, which sometimes can
8 happen, so we periodically scope patients. And he
9 had a clean mucosa.

10 And it says minimally -- with
11 surprisingly clean mucosa with minimal cath
12 reaction. But there was granulation tissue at the
13 tract. And I told him to drink lots of fluid and I
14 gave him some antibiotics and told him to come back
15 in November.

16 And then he came periodically again to
17 have his SP tube changed.

18 Q. Skipping the SP tube changes --

19 A. Right.

20 Q. Did he, in fact, come in in November?

21 A. And then in September he had a problem
22 with an infection and went to see ID Associates. I
23 don't have a copy of that note. It's just -- we
24 changed his tube and -- that's September.

25 And then he comes in November, Doing

1 well. PSA is 2.1, and I said I'll see him in July.
2 If the PSA gets over ten or starts to rise,
3 consider second line therapy. I said because of
4 his prior hepatotoxicity, I would rather avoid
5 Casodex. So I wasn't giving him that. In any
6 event, it didn't appear to work in orchiectomized
7 patients.

8 So that's it. And then he got some tube
9 changes, and that's it.

10 Q. And your next scheduled appointment is
11 not until July of 1999?

12 A. Correct.

13 Q. Okay. And doctor --

14 A. And since then he's come in for tube
15 changes.

16 Q. If we could make copies of those
17 records, I'd appreciate it.

18 A. Yes, right.

19 Q. Let me go back now to the time you first
20 saw Mr. Scarlett.

21 A. Right.

22 Q. Do you recall when that was?

23 A. This was in '93. At that time I thought
24 he had a stage C-2 adenocarcinoma. C-2 is a high
25 advanced local disease.

1 Q. The first record I have seems to be July
2 20th of 1993. Is that what you have?

3 A. Yes, yes.

4 Q. And he had been diagnosed at that time
5 as having cancer?

6 A. No, he was -- he hadn't been biopsied
7 yet.

8 Q. Okay.

9 A. That was my clinical impression.

10 Q. And I think you indicated at that time
11 you wanted to rule out metastatic disease?

12 A. Yes.

13 Q. And when was he -- when was he diagnosed
14 as having cancer?

15 A. With the biopsy, which was done on July
16 20th, '93.

17 Q. Okay, And that showed --

18 A. That showed cancer. I'll look here.

19 Q. It looks like you say your impression
20 was stage C-2 --

21 A. Right.

22 Q. Rule out metastatic disease?

23 A. Right.

24 Q. And --

25 A. July 20th, '93 is when we first made the

1 diagnosis. Moderately to poorly differentiated
2 cancer.

3 Q. I think you saw him again the next day
4 then, didn't you? July, 22nd?

5 A. Yes. Yes, we did.

6 Q. Why don't you just tell me, as best you
7 can, doctor, through the six-month period, July
8 through the end of the year, what the course of
9 treatment was that you administered --

P0 A. Well, he --

11 Q. -- to Mr. Scarlett.

12 A. He had what I thought was an incurable
13 cancer, incurable by surgery? radiation or any
14 other local means, because I felt he most likely
15 had what we call micro metastases, which is when a
16 cancer has -- is a Stage C and is poorly
17 differentiated, the attempts at local control are
18 usually futile, so -- such as radiation, surgery,
19 cryosurgery, any of those treatments that are
20 delivered for local control of cancer.

21 So what we do is we give systemic
22 treatment which will take care of the cancer, no
23 matter where it is in the body.

24 Q. This is the Lupron --

25 A. Lupron and Casodex, yes. That's what he

1 was on, Lupron --

2 Q. I think they call it the LaBrie
3 treatment or something?

4 A. LaBrie, exactly.

5 Q. And you started him on a program of
6 that?

7 A. Right, yes. And then because he was
8 only 57, I've had a few experiences where even
9 though the cancer looks very bad initially, you can
10 shrink it down to where we can't feel it, and then
11 we can do surgery and then radiate. But it's
12 anecdotal cases. And actually, since that time,
13 since '93 it's been shown that that doesn't even
14 work.

15 But in any event, we never got him
16 shrunk. We could never shrink him down. I
17 repeated a biopsy down the road. I can't tell you
18 the date, but it's in the record. And the cancer
19 was still there.

20 And my conclusion to him was that while
21 we could explore him -- he wanted something done.
22 You know, he wanted surgery or something like that,
23 you know. And I said, you know -- I said, you
24 know, we could explore you, but my feeling would be
25 that this is not curable. And I said, if you

1 insist, we could explore you with the understanding
2 if we found positive lymph nodes we wouldn't do
3 anymore. If we found positive and negative lymph
4 nodes and it was curable, we could take it out.
5 But I said, if you ask me, the best way to go is an
6 orchiectomy.

7 Q. Well, when you're talking about if there
8 weren't any lymph node involvement you could do
9 surgery, are you talking about a radical
10 prostatectomy?

11 A. We would consider it. I mean maybe
12 you'd get in there and find it was stuck. I think
13 in his case if I -- we would have either found
14 lymph nodes, probably or most likely found lymph
15 nodes -- actually, yes, because he subsequently was
16 found to have positive lymph nodes -- or we would
17 have found the cancer was unresectable. It was
18 just too advanced when we -- if you get a cancer
19 that's too advanced when you first see a patient,
20 there's no point in making him worse by operating,
21 you know, doing some radical surgery. He's better
22 off just to have hormone treatment.

23 Q. You considered radiation as well, did
24 you not?

25 A. Yes.

1 Q. Did there come a time when the Lupron
2 was causing problems with his liver?

3 A. Well, there was some liver toxicity and
4 we couldn't tell what was causing what, so we
5 stopped everything.

6 Q. And you're talking about hepatitis, I
7 think you --

8 A. Right. And he had a biopsy and it
9 showed hepatitis. Whether -- we never did
10 determine whether this was hepatitis preexisting
11 that was just flared up, which can flare up. In
12 other words, if you had hepatitis and you get a
13 hepatic -- potentially hepatotoxic drug, it can
14 flare up the hepatitis.

15 We could never tell. I was never
16 convinced, but you could ask Dr. Loewe, who was the
17 guy who did his biopsy, as to what his thoughts
18 were. I was never convinced whether it was
19 hepatitis from Lupron, Casodex, precipitated by
20 those drugs, or whether it was related to -- I'm
21 sorry, am I going too fast?

22 THE REPORTER: You're going a little
23 fast. Thank you.

24 THE WITNESS: Or whether this was
25 hepatitis from the virus.

1 BY MR. CASS:

2 Q. There was a time when you took him off
3 the Lupron, though?

4 A. Yes, he had to be because he was --

5 Q. Because you thought that was aggravating
6 or causing the -- possibly causing the hepatitis?

7 A. Yes.

8 Q. At that point -- and I'm not sure if you
9 have the records as to when that was --

10 A. Yeah.

11 Q. But I think we're now into 1993, aren't
12 we?

13 A. Yes.

14 Q. And you had sent him also for a second
15 opinion, I think to Dr. Pow-Sang?

16 A. Right, yes.

17 Q. What, if anything, were you considering
18 doing?

19 A. Orchiectomy was my first option.

20 Q. Okay.

21 A. I can read it in the note. I think I
22 have a note here.

23 Q. In your record of -- I think it's
24 November 24th --

25 A. Well, let me read you a note here

1 4/8/94, which is after he saw Pow-Sang and
2 everybody. And I said: The patient returns for
3 follow-up. Dr. Bregg spoke with Dr. Loewe. LFT's
4 are improved. Dr. Loewe feels that Lupron may be
5 implicated, and therefore, no further Lupron.

6 MR. MISHKIND: If you could slow down a
7 bit.

8 THE WITNESS: Can you give her a copy of
9 this?

10 MR. CASS: Well, I have it here,

11 MR. MISHKIND: Unfortunately, I can't --
12 she's got to get your testimony.

13 THE WITNESS: The patient returns for
14 follow-up. Dr. Bregg spoke with Dr. Loewe.
15 LFT's are improved. Dr. Loewe feels that
16 Lupron may be implicated, and therefore, no
17 further Lupron. Indeed, the patient has not
18 had any Lupron since February 1st. He feels
19 well and comes in today with his wife for a
20 discussion.

21 MR. CASS: Now --

22 THE WITNESS: In this setting,
23 considering the recent reports from MGH and
24 Stanford, I don't think that radiation has a
25 place. I don't believe that he's curable

1 with radical surgery, and considering
2 Dr. Stamey's recent reports about increasing
3 doubling time after positive margins, and
4 since he's had significant hepatic
5 dysfunction that may be reactivated with an
6 anesthetic, I don't think that surgery is a
7 good choice either.

8 I have therefore recommended that the
9 patient have a bilateral orchiectomy which
10 could be done under local with MAC. No
11 further Lupron or flutamide.

12 BY MR. CASS:

13 Q. Now, was it during that visit when you
14 also discussed cryosurgery with the patient?

15 A. Can I finish reading it?

16 Q. Sure.

17 A. The other option is to consider
18 cryosurgery, but once again, he's not that
19 symptomatic, does not have any obstructive
20 complaints, and cryosurgery in this setting can be
21 considered almost experimental, in quotation marks.

22 Q. Can you tell us what you meant by "not
23 symptomatic"?

24 A. He had no symptoms from obstruction,
25 from blockage. He didn't -- you know, he was

1 peeing okay and did not have any trouble urinating.

2 Mr. Scarlett had a lot of questions
3 which I tried to answer. He will be in Tampa next
4 week, and I have suggested that he check back with
5 Dr. Pow-Sang again.

6 Q. Did you discuss the ramifications of the
7 cryosurgery with the patient?

8 A. No.

9 Q. You did not do that?

10 A. No, no, Pow-Sang was the guy that was
11 talking to him about it, and I wasn't going to do
12 it.

13 Q. Now --

14 A. You know, I don't believe in it, and I
15 mean I didn't believe in it then. And I said if he
16 wanted to have it done, then he could have Pow-Sang
17 do it.

18 Q. Now, Pow-Sang did talk to him about it,
19 did he not?

20 A. I don't know.

21 Q. He did not communicate with you?

22 A. He might have, but I mean I'd have to go
23 through my records to see if I have a letter in
24 here. Do you want me to -- I'd be happy to do it.

25 Q. Well, he saw him on April 24th of 1994.

1 You may have a record -- he may have sent a copy of
2 that record to you.

3 A. Do you have it?

4 Q. I have it, yes, I do.

5 A. It'll make it easier for me if you just
6 let me see it.

7 Q. Sure.

8 A. Let me just finish reading this here.

9 Q. Sure, go right ahead.

10 A. If he elects to have an orchiectomy,
11 this can be scheduled at a mutually convenient
12 time. If after he sees Dr. Pow-Sang he is still
13 very much in favor of surgery, I would not be
14 totally opposed to doing a pelvic exploration, and
15 if lymph nodes were negative, to proceed with
16 radical surgery under epidural anesthesia if
17 cleared medically.

18 In summary, therefore, my first choice
19 is for bilateral orchiectomy. The second would be
20 either explorative surgery or cryosurgery.

21 This is -- and I sent copies to
22 everybody.

23 MR. CASS: I'll mark Dr. Pow-Sang's
24 record here. I'm not sure this went to
25 you -- it says copy to Dr. Barzell.

1 THE WITNESS: I'm sure it's in here. If
2 you have it, it would save me the time of
3 going through this voluminous chart to look
4 for it.

5 (Thereupon, Defendant's Exhibit No. 1
6 was marked for identification.)

7 BY MR. CASS:

8 Q. Doctor, I'll hand you what I have marked
9 as Exhibit 1 here, and this shows a copy went to
10 you from Dr. Pow-Sang. Do you recall that?

11 (Document handed to witness.)

12 A. Okay. Yes, I read it.

13 Q. He seems to say that this was a
14 reasonable option for him.

15 A. Yes, he did, but he also said that he
16 would -- he thought he had metastatic disease and
17 that hormonal therapy was indicated.

18 He said: I again told the patient that
19 most likely he has metastatic disease from the
20 onset -- which he agrees with what I told you --
21 and that hormonal therapy was indicated and
22 probably would be the treatment of choice.

23 Q. Well, Dr. Pow-Sang says: The patient
24 understands the risks and complications of
25 cryosurgery?

1 A. Yes.

2 Q. Including urethral sloughing, urethral
3 cutaneous fistulas --

4 A. Yes.

5 Q. -- hematoma and incontinence?

6 A. Yes.

7 Q. Now, am I correct that you did not
8 discuss this with the patient, though?

9 A. No.

10 Q. But you're aware that it had been
11 discussed with him?

12 MR. MISNKIND: Objection.

13 THE WITNESS: I'm not aware. I'm aware
14 now.

15 BY MR. CASS:

16 Q. Well, you got this from Dr. Pow-Sang, I
17 presume. It says a copy went to you.

18 A. Well, I don't know. If you want me to
19 tell you, I will look in my chart.

20 Q. Do you have any reason to believe he
21 didn't send it to you?

22 A. No.

23 Q. You've got the records, so obviously --

24 Now, at that time Mr. Scarlett was
25 talking about going to Cleveland for that --

1 A. I don't remember that. I mean he -- I
2 read it here, but I don't remember. I don't think
3 he told me.

4 Q. After that letter you got from
5 Dr. Pow-Sang, I think you saw him -- or you saw
6 Mrs. Scarlett I think the same day. It doesn't say
7 what -- it says Dr. Barzell spoke with
8 Mrs. Scarlett. This is April 25th, the same day as
9 that.

10 A. Okay. Yes, she just said he's going to
11 have cryosurgery. She told the nurses. And she
12 wanted us to give him Lupron, and I said I wouldn't
13 give him Lupron.

14 Q. And that was because Dr. Porter -- she
15 talked to Dr. Porter, or he had talked to
16 Dr. Porter and Dr. Porter had asked for that?

17 MR. MISHKIND: Objection.

18 THE WITNESS: Do you have the note?

19 MR. CASS: I have the note here, yes.

20 THE WITNESS: Okay. Do you want me to
21 read it?

22 MR. CASS: It looks like a copy. We'll
23 make it an exhibit.

24 (Thereupon, Defendant's Exhibit No. 2
25 was marked for identification,)

1 BY MR. CASS:

2 Q. I'll hand you what's been marked as
3 Exhibit 2.

4 (Document handed to witness.)

5 A. This is the note I just read. You're
6 talking about the 4/29 note.

7 Q. You're right. I got the wrong one. I
8 thought it was eleven. Excuse me, doctor.

9 A, I'm not trying to -- I'm just trying to
10 make things for simplicity's sake, rather than
11 read -- you have and I have a copy.

12 MR. CASS: We will mark this as 3, and
13 it's a handwritten note.

14 (Thereupon, Defendant's Exhibit No. 3
15 was marked for identification.)

16 (Document handed to witness.)

17 THE WITNESS: Do you want me to read it?
18 Do you want to read it?

19 MR. CASS: Sure, go ahead. You can read
20 it into the record. That simplifies my
21 asking you a question about it.

22 THE WITNESS: Mr. Scarlett called. Will
23 be pursuing cryosurgery -- this is a nurse's
24 note -- several weeks from now in Cleveland,
25 and with Dr. Porter at Urology Services.

1 Mr. Scarlett was told by Dr. Porter to take
2 Lupron per Dr. Barzell. Dr. Barzell informed
3 and does not agree that Lupron is appropriate
4 in this setting because of his liver.

5 Mr. Scarlett -- I'm adding that now, "because
6 of his liver."

7 Mr. Scarlett informed, and he will
8 contact Dr. Porter and have Dr. Porter call
9 Dr. Barzell.

10 BY MR. CASS:

11 Q. Did you ever talk to Dr. Porter?

12 A. No. Not that I -- I mean I may have,
13 but I don't remember.

14 Q. Okay. Did you ever attempt to contact
15 Dr. Porter?

16 A. I don't know, frankly. I can't tell you
17 that. I don't know how to answer that. I don't
18 know. I can't remember.

19 I think he was going to call me, from
20 what I read here. 5/3, Mr. Scarlett called. He's
21 concerned with the Lupron issue. Has spoken to
22 Dr. Porter's office and has requested that
23 Dr. Porter call you. See, Porter was going to call
24 me.

25 Q. Okay. Do you recall whether you did

1 confer with him?

2 A. I'm going to look here. I don't know.
3 I just answered no, I don't recall, but I'll look
4 here.

5 Then 5/10/94, Mr. Scarlett called from
6 Tampa. He will be going on a cruise the end of May
7 and will then proceed with whatever plan he decides
8 upon. Patient informed by Dr. Barzell that he
9 should contact Dr. Douglas Johnson at M.D.
10 Anderson -- because this guy was a cryosurgery
11 expert and he was a very honest guy, and I told him
12 to call him and I gave him his number, but I guess
13 he never did call him.

14 Q. I believe he had the cryosurgery in June
15 of 1994. June 20th, I believe. I don't know if
16 you have any of those records or not.

17 A. NO.

18 Q. When did you next see the patient after
19 that cryosurgery of June of 20th, 1994, doctor, if
20 you recall? You can look at your records if you
21 want.

22 A. I think it's -- I think this is -- can
23 we submit this? Do they have a copy of this?

24 MR. MISHKIMD: Yes, Mr. Cass has a copy
25 of your letter.

1 THE WITNESS: This really -- it
2 summarizes the whole thing, this letter.

3 MR. CASS: Let me see which one it is
4 here. What's the date of that?

5 THE WITNESS: The date, November 5th,
6 '96.

7 MR. CASS: November 5th of '96?

8 THE WITNESS: Yes. It's dated November
9 5th of '96.

10 MR. CASS: That must have been one of
11 the most recent ones that he just gave you
12 today?

13 MR. MISHKIND: No, it's the doctor's
14 report to me that was given to Susan Reinker.

15 MR. CASS: Oh, okay. I don't think I
16 got that.

17 MR. MISHKIND: Oh, really?

18 MR. CASS: No.

19 BY MR. CASS:

20 Q. What date did you first see him after
21 his --

22 A. I'm going to summarize for you here.

23 Q. That's fine.

24 A. Otherwise, it will take me an hour to go
25 through my records, because you have to understand

1 that what I have here is my office records.

2 I saw him in the hospital, and all those
3 other records are in the hospital.

4 Q. Okay.

5 A. So for me to put it together with the
6 records, I can't do it today unless you people can
7 get me the hospital chart, but I can go from my
8 letter that I wrote summarizing the situation.

9 Q. That's fine. Whatever refreshes your
10 recollection, sir.

11 First of all, what date are we talking
12 about here?

13 A. This is a letter dated November 5th, '96
14 to Howard Mishkind. It says: It is my
15 understanding that you are in possession of a copy
16 of my records. If you desire another copy, let me
17 know. As you know, Mr. Scarlett's last visit to
18 our office in Sarasota prior to his cryosurgery in
19 Cleveland in June of 1994 was on 4/8/94. At that
20 time I discussed various therapeutic options with
21 him, and a copy of that note is enclosed. I did
22 not hear from Mr. Scarlett until July 9, '94 when
23 he presented in the emergency room in sepsis.

24 So the first time I saw him, if that
25 answers your question, was July 9th.

1 Q. That answers my question. It was July
2 9th of 1994?

3 A. Yes. Do you want me to keep on reading?

4 Q. Sure, go ahead.

5 A. The patient at that time gave a history
6 of having had a laparoscopic lymph node infection
7 about three-and-a-half weeks earlier with a single
8 positive node. Five days later he underwent
9 cryo-ablation of the prostate. After returning to
P0 Sarasota around July 1st or 2nd, he was apparently
11 seen in the emergency room with a temperature of
12 103 and treated with Cipro and appeared to improve.

13 Q. Now, you didn't see him on that
14 occasion?

15 A. No. The first time I saw him was, as I
16 just said, was July 9th, '94.

17 On the night of June -- 7/9/94 he called
18 me at 10:00 p.m. complaining of nausea, vomiting,
19 abdominal pain and a temperature of 103.

20 I met him in the emergency room and
21 after a thorough evaluation by myself, Dr. Jack
22 Reeder, a general surgeon, and Dr. Mark Lipman of
23 Infectious Disease, it became apparent that the
24 patient had a prostato-urethral fistula with
25 abscess formation secondary to prostate

1 cryo-ablation. He was also exhibiting signs of
2 septicemia.

3 He was, therefore, adequately prepped
4 preoperatively with intravenous fluids,
5 antibiotics, and on 7/10/94 underwent abdominal
6 exploration and sigmoid colostomy, Hartmann pouch,
7 exploration of retropubic space, drainage of a
8 prostatic perirectal abscess, exploration of the
9 bladder and a suprapubic cystotomy tube insertion,

10 I have enclosed a copy of the operative
11 report and the emergency room visits for those
12 days.

13 Q. He was in the hospital until the 20th of
14 July, wasn't he?

15 A. I can't tell you. I can't tell you that
16 information without the hospital chart.

17 Q. Now, you did a colostomy at that time?

18 A. I did not, but Dr. Reeder did.

19 Q. But a colostomy was done at that time?

20 A. Right.

21 Q. Okay. What else was done? Was he
22 wearing a --

23 A. I just read it.

24 Q. Was he wearing a catheter at that time?

25 A. I'll read it again. He had an

1 exploration, sigmoid colostomy. He had a Hartmann
2 pouch, which closes off the rest of the rectum. He
3 had an exploration of the retropubic space,
4 drainage of a prostatic perirectal abscess,
5 exploration of the bladder and a suprapubic
6 cystotomy tube, or a tube to drain the bladder out.

7 Q. Okay. This comes directly out of the
8 bladder?

9 A. Yes.

10 Q. Not through the penis?

11 A. No.

12 Q. He did not have a Foley catheter at that
13 time?

14 A. He may have had a Foley and a suprapubic
15 tube. He may have had both. I'd have to look at
16 my chart and my records. He probably had both
17 because what you're trying to do is divert the
18 urine totally so that no urine -- so that the urine
19 and the stool don't mix. When stool and urine mix,
20 it's a real problem.

21 Q. And what --

22 A. Especially if it's inside the body.

23 Q. Was the surgery you performed
24 successful?

25 A. It was successful in saving his life. I

1 mean he was very -- you know, he was very sick. He
2 would not have made it another day or two.

3 Q. And what was your follow-up treatment
4 after that?

5 A. Well, do you want to go through the
6 whole treatment?

7 Q. Yes, and I'll need to ask you some
8 questions about that.

9 A. Say, can I just stop here for a second?
10 I was under the impression that I would be done by
11 quarter to six. It doesn't look like it's
12 possible.

13 Q. I'll be as quick as I can.

14 A. Because, I mean, I have to make other
15 arrangements if I'm not going to make it.

16 Q. Quarter to six is pushing it because we
17 didn't start at 4:30, unfortunately, but I'll be as
18 quick as I can.

19 A. I understand. I just want to
20 understand, you know, so I don't -- I just don't --
21 should I tell my people -- just give me a rough
22 idea.

23 Q. I'm guessing probably 6:15 at the
24 latest.

25 A. Okay. All right. I can't tell you

1 anything that happened between July 9th and July
2 25th, '94 without the hospital records.

3 Q. Well, he was in the hospital through the
4 20th; I do know that.

5 A. S u - n a time I cannot tell
6 you what happened because I don't have the hospital
7 records.

8 Q. But you summarized basically what he had
9 done to him during that period of time?

10 A. Yes, just from that. But I don't have a
11 recollection. I'd have to get the chart and the
12 hospital records.

13 Q. Okay.

14 A. He was then -- the next time he was here
15 was on the 25th of July. He saw Dr. Treiman, my
16 partner.

17 MR. MISHKIND: Here's a copy of the
18 chart, and the tabs show the various -- if
19 for any reason you want to look at that,
20 okay.

21 (Document handed to witness.)

22 THE WITNESS: Patient returns for a
23 follow-up. He's had problems sleeping. He
24 does wake up at night with leakage per
25 urethra, which sounds like a bladder spasm.

1 He had some pain radiating in his right leg.
2 He's noticed a small amount of leakage in the
3 rectum. SP site is clean. They treated him
4 with Ditropan for spasms, Ambien for sleep.

5 BY MR. CASS:

6 Q. And Treiman saw him again on August 2nd,
7 didn't he?

8 A. I don't know. On the 26th he called
9 saying the Ambien didn't help, and Dr. Treiman
10 recommended Xanax.

11 Q. That's July 26th?

12 A. Yes.

13 Q. Okay.

14 A. And then he saw him August 2nd.

15 Q. Right.

16 A. Continues to improve, much less
17 drainage. He has -- still has rectal discomfort.
18 He will follow up with Barzell for suprapubic
19 change.

20 Then he came to see Dr. Bregg on 8/8/94.
21 Mr. Scarlett comes for follow-up. He's one month
22 status post colostomy, suprapubic tube exploration
23 and drainage of pelvic abscess. He is here for an
24 unscheduled visit because he requested more
25 Percocet and I asked him to come in to be seen. He

1 states he's still having pain, and if he doesn't
2 take something every four hours the pain is
3 recurrent.

4 Q. Now, you saw him on the 12th, I think,
5 of August, didn't you?

6 A. I don't know. I'm just finishing my
7 note here.

8 Q. Sure, go ahead.

9 A. Then I saw him on the 12th.

10 Q. You said he was doing well overall? He
11 hadn't regained his weight yet?

12 A. Not yet. Incision well-healed. SP in
13 good position. I can still feel the area of rectal
14 perforation on the right.

15 Q. Now, at this point he had not had the
16 orchiectomy, had he?

17 A. No.

18 Q. Okay.

19 A. SP change under genta 80, three days of
20 Floxin, return in two months. He will have an SP
21 tube change in six to eight weeks.

22 At this point I'm not in favor of
23 restarting Lupron in view of what Dr. Loewe felt
24 about its contribution to his hepatotoxicity. If
25 he does have a relapse serologically, my

1 recommendation would be to do an orchiectomy. If
2 they wish to have Lupron, it would have to be given
3 elsewhere.

4 Q. From what my records show, you next saw
5 him on August 22nd?

6 A. September, right?

7 Q. No, I have August, August 22nd here.
8 You have a note anyway. There's an office
9 notation.

10 A. Whose?

11 Q. Yours.

12 A. Where? Can I see it?

13 Q. I just have a summary here. I can get
14 it for you, though.

15 (Document handed to witness.)

16 A. No, this was a nurse. He saw a nurse.
17 He didn't see us. He was having bladder spasms.
18 The patient called. It was not a -- he called.
19 That's a telephone call.

20 Q. This handwritten note is a nurse's note,
21 not yours?

22 A. This is a nurse's note saying he called,
23 and my note just says to give him Ditropan.

24 Q. Okay.

25 A. But he was not seen. The next time he

1 was seen was September 22nd by Dr. Treiman.

2 Q. Now, he's in this group here?

3 A. Yes.

4 Q. He's another urologist with you?

5 A. Yes.

6 Q. Let's go through that treatment ,here.

7 A. Patient comes in with problems with his
8 SP tube. Over the weekend he found he had poor
9 drainage from the tube and the tube was replaced.
10 His wife has been irrigating, but is having trouble
11 getting the fluid to return.

12 A 22 French silastic catheter was
13 placed. I got a return of approximately 200 cc's
14 of urine. I then irrigated the tube and found it
15 very difficult to get a return of the fluid.

16 Impression, prostatorectal fistula. I
17 suspect that the patient has lost bladder capacity
18 and that a lot of the urine is flowing into the
19 Hartmann pouch. He can get temporary relief by
20 defecating, which releases some of the urine.

21 Will put or continue putting in a larger
22 SP tube, and would consider putting in a urethral
23 Foley as well. May consider a cystogram to assess
24 the anatomy.

25 Q. You seem to have a record the next day,

1 September 23rd.

2 A. Yes.

3 Q. He came in to see you the next day?

4 A. Yes.

5 Q. If you can just summarize it briefly.

6 You don't have to read the whole record.

7 A. I'll have to read it first.

8 Okay. He was having trouble. What had
9 happened is he developed a stone in the bladder and
10 he closed off his entire prostate fossa, which was
11 obliterated from the cryosurgery. And we couldn't
12 get rid of it, so we decided to bring him into the
13 hospital to try to open the passage from below, get
14 rid of the stone.

15 And I wasn't optimistic that this could
16 be done, and I have a note in here that I thought
17 eventually he will need to have his urine diverted
18 into an ileal conduit and wear a bag, to get out of
19 that mess down there.

20 Q. Was that ever done?

21 A. What, the diversion?

22 Q. Yes.

23 A. No, because we were able to go in from
24 below and clean it.

25 Q. He was in the hospital October 4th for

1 that?

2 A. I'm checking my records.

3 MR. MISHKIND: Here is the chart,
4 doctor.

5 (Document handed to witness.)

6 BY MR. CASS:

7 Q. What is an attempted TURP? I know what
8 a TURP is, but what were you attempting to do
9 there?

10 A. May I read my note?

11 Q. Sure.

12 A. Okay. I couldn't get in from below. It
13 was totally closed off from the cryosurgery.
14 Everything was sloughed and closed off.

15 So, therefore, I was going to try to do
16 a TURP to clean it up and shave it so he could
17 urinate. Could not get in from below, could not
18 get the stone from above. And I noticed that his
19 bone had disappeared, the symphysis pubis, and that
20 suggests a possible osteitis pubis, which is a
21 pretty severe problem.

22 So I thought rather than do an open
23 procedure at that time to get things done, that I
24 would get some x-rays and decide what needed to be
25 done next.

1 Q. Is the fistula at this point still opsn?

2 A. Yes.

3 Q. And you've made no attempt to close it
4 surgically?

5 A. You cannot close it. You cannot close
6 that fistula.

7 Q. You did have him in once at a later time
8 to close it surgically, didn't you?

9 A. No.

10 Q. No?

11 A. No.

12 Q. Okay.

13 A. No, those fistulas can't be closed. I
14 mean there are attempts at closing them which are
15 horrendous. You have to swing muscle slabs, and
16 the success rate is very pour and the patient can
17 succumb to the procedure.

18 Q. I thought that he was admitted once to
19 have that done.

20 A. Yeah, I think they looked at it.

21 Q. And you thought that maybe it was closed
22 at that time?

23 A. Well, actually it wasn't here. They
24 were going to do it -- Pow-Sang was going to do it.
25 That's my recollection.

1 Q. But you did get an opening -- going back
2 to what we were talking about, you did get an
3 opening for the urine to pass through?

4 A. No, I didn't. No, I didn't on that
5 time. I don't remember when we did it. We must
6 have brought him back in. Or I think he went and
7 got Pow-Sang to do it. I think that's what
8 happened. I had to send him to -- I'll have to
9 look -- this is the hospital records?

10 MR. MISHKIND: Yes.

11 THE WITNESS: But it doesn't have all
12 the progress notes.

13 MR. MISHKIND: It's not a complete
14 chart, but they --

15 THE WITNESS: I'd have to look at my
16 records.

17 MR. MISHKIND: It's chronological in
18 order, so if you want to --

19 THE WITNESS: Osteitis pubis, metastatic
20 prostate, 10/11.

21 On 10/10/94, we readmitted him for
22 Kennedy and I to do a debridement. Let me
23 see if we did actually do that.

24 Yes, we have a note here 10/13/94 by
25 Dr. Kennedy, and we did an irrigation,

1 debridement and curettage of the symphysis.
2 pubis. He basically had a osteomyelitis of
3 the bone, and the abscess had developed --
4 had gone into the bone and destroyed the bone
5 in the pubic bone there. So we went and had
6 to get all that infection out.

7 And so Ed Kennedy went in and we did
8 that together. We did it and we just took
9 out a whole wad of bone, the infection.

10 **BY MR. CASS:**

11 Q. Are you talking about the 13th through
12 the 16th?

13 A. I'm looking. And let me see what I did
14 there. And at that time, when he did that, I did
15 a -- I explored the retropubic space, did the
16 debridement and helped Dr. Kennedy. We did it
17 together.

18 The retropubic space was severely
19 desmoplastic relating to his previous surgery. The
20 bladder was stuck to the undersurface of the
21 rectus. The dilation and curettage will be
22 described by Jeff Kennedy.

23 What I did is I went in and got the
24 bladder off the bone so that it wouldn't injure the
25 bladder, and then helped him expose the bone, and

1 then we put in drains.

2 Q. And those drains were permanent drains
3 or --

4 A. No?

5 Q. -- temporary?

6 A. Temporary.

7 Q. How long would they remain in or how
8 long did they remain in?

9 A. I can't tell you without looking at the
10 records. I suspect a week, but I'd have to get the
11 hospital chart.

12 Q. I see you saw him again on the 21st of
13 October in the office?

14 A. Yes. Well, let's look. Actually, he
15 was seen on the 18th by Dr. Bregg. Status post
16 recent curettage of bone. He needs to see Barzell
17 on Friday. We may need to do an ileal conduit.
18 Okay.

19 And I saw him here on 10/21/94. And I
20 discussed exploration with ileal conduit formation,
21 suprapubic cystostomy with cystolithotomy, and I
22 said I could make no guarantees relative to the
23 suprapubic pain since I'm not certain whether it's
24 related to the SP tube or a large proteinaceous
25 debris within the bladder or his osteitis pubis or

1 nerve involvement by carcinoma. ~

2 Q. Am I correct that this is all related to
3 the fistula, though?

4 A. Yes. And the abscess more than the
5 fistula. The abscess. The fistula wouldn't do it,
6 it's the abscess.

7 Q. The abscess comes first and then the
8 fistula --

9 A. No, the fistula comes first, it's
10 unrecognized, and you get an abscess.

11 Q. Okay. And the abscess follows the
12 fistula?

13 A. Yes.

14 Q. Now, at this point is the abscess
15 still -- is there still an infection in there?

16 A. Yes, but it's low. You know, it's not
17 life-threatening. Let me just read a little bit
18 here so I can get an impression of what's going on.
19 Because I don't remember this. It's been a while.

20 MR. MISHKIND: Off the record.

21 (Thereupon, there was a discussion had
22 off the record.)

23 THE WITNESS: Okay. Let's go back to
24 that -- where were we?

25 - - -

1 BY MR. CASS:

2 Q. Give me the days you're talking about,
3 doctor.

4 A. I don't know. You were asking me.
5 Where were we last?

6 Q. I think when I last asked you, I was
7 talking about the 21st of October, 1994.

8 A. Okay. Okay. What happened on that date
9 is we discussed various options, which would be to
10 do an ileal conduit and remove all the pus, and do
11 a diversion, and we also talked about talking to
12 Dr. Pow-Sang about whether he could do something.

13 And we discussed it, and I told him my
14 own feeling would be rather than do a
15 reconstruction, we would do an ileal conduit, but
16 to go see Pow-Sang.

17 He went to see Pow-Sang, and Pow-Sang
18 scoped him and was able to get through into the
19 bladder.

20 Q. That was in November we're talking about
21 now?

22 A. Yes, November. He was able to get in.
23 And then he decided that he wanted to do a
24 reconstruction, a big reconstruction on him with
25 flaps, which had about a ten percent success rate.

1 He saw Dr. Bregg, and Bregg talked to
2 him again, and at one time he was leaning towards
3 maybe having an ileal conduit done, which is a
4 diversion. And that's how far I got.

5 Q. Now, we're into November now; is that
6 correct?

7 A. Yes.

8 Q. All right. I know he saw Dr. Pow-Sang
9 on November 2nd?

10 A. Yes, November 28th. He's already had
11 the surgery by Pow-Sang, and --

12 Q. That was November 11th through the 14th
13 he was at the Moffitt Clinic?

14 A. Yes, and then he was seen subsequently,
15 and they were talking about -- they were talking
16 about doing the reconstruction.

17 Q. What was it that Pow-Sang did in the
18 Moffitt Clinic, if you know, doctor?

19 A. Yeah. He went in, into the bladder, and
20 put a catheter in from below.

21 Q. That's to allow the urine to flow?

22 A. Well, to decrease the fistula problem.

23 Q. So the fistula is still open at that
24 point?

25 A. Yes.

1 Q. Okay.

2 A. As far as I, you know, can remember and
3 know. I wasn't there. He said he couldn't see it,
4 but I assume it was open just from his symptoms.
5 Pow-Sang's note says he didn't see it.

6 Q. Did not see the fistula?

7 A. Right.

8 Q. Now, it seems like -- and I'm not sure
9 who -- well, there's a note on December 1st through
10 December 6th of 1994, you had him back in Sarasota
11 Memorial then.

12 A. Okay.

13 Q. And I see notes per you and Dr. Bregg.

14 A. Okay.

15 Q. Am I correct that at that point you
16 thought the obvious fistula was then closed?

17 A. Dr. Bregg dictated that note.

18 Q. Okay. I wasn't sure whose note it was.

19 A. Findings, the obvious fistula now
20 appeared to be closed, and this determination was
21 made because on a rectal just before the case, the
22 hole could--

23 THE REPORTER: Excuse me. The rectal --

24 THE WITNESS: I'm sorry. Do you need a
25 copy of this thing? Would that be better?

1 THE REPORTER: Yes, but I need to
2 understand what you're saying.

3 THE WITNESS: The obvious fistula now
4 appeared to be closed. This is Dr. Bregg
5 speaking. This determination was made
6 because on a rectal just before the case, the
7 hole could no longer be felt and only a
8 dimple was felt in that area.

9 Clinically on cystoscopy, the area hole
10 was no longer visualized. And it goes on.

11 So at that time, even though I was going
12 to do a major big operation, I decided that
13 since it looked like he had a chance of
14 closing the fistula, I said let's just do --
15 you know, just clean out the bladder, clean
16 out the proteinaceous mass, put a Foley in
17 and just hope for the best. And if the
18 fistula reoccurs, we can always do the big
19 operation.

20 MR. CASS: Do you know whether --

21 THE WITNESS: And fortunately, we didn't
22 do it because he did okay.

23 BY MR. CASS:

24 Q. The fistula apparently did close of its
25 own accord?

1 A. The fistula did close and we got all the
2 tubes out and we got all kinds of junk out of his
3 bladder; stones, proteinaceous mass. And I thought
4 that that was the most conservative thing. And in
5 retrospect, it was the right decision because it
6 never opened up again after.

7 And so this is a summary of the
8 hospitalization.

9 Q. Now, up until --

10 A. -- by doctor -- this is a summary of the
11 hospitalization by Dr. Bregg on 12/6/94.

12 Q. Up until --

13 A. The patient was admitted and brought to
14 the operating room. At the time of surgery the
15 clinical impression was that there is an excellent
16 chance that this fistula might have closed based on
17 the rectal exam under anesthesia and the
18 cystoscopic appearance.

19 In view of that, he was simply taken to
20 the operating room, where a large mucoid-type
21 bladder stone was removed, and he had a relatively
22 uneventful recovery.

23 Q. Now, subsequent to that
24 hospitalization --

25 A. Yes.

1 Q. -- I take it that he is seen here in
2 this office again?

3 A. Yes.

4 Q. Mid December?

5 A. Right, for stitch removal, and so on and
6 so forth.

7 Q. And he seemed to be doing much better at
8 that point?

9 A. Right.

10 Q. Had anything been done in the way of an
11 orchiectomy at that point?

12 A. I don't know. I'd have to look at my
13 records.

14 Q. He's not taking Lupron, I presume? I
15 think you said earlier you took him off and you
16 didn't want him back on again?

17 A. No, we did an orchiectomy in December
18 '94.

19 Q. When he was in for the surgery?

20 A. Yes, at the same time.

21 Q. So in addition to what you were
22 describing here then, the orchiectomy was done
23 then?

24 A. Yes. And I knew he needed hormone
25 treatment because his cancer would have come back,

1 and he couldn't take Lupron, so we did the
2 orchiectomy.

3 Q. The orchiectomy then alleviates the need
4 for Lupron?

5 A. Yes, and it also prevents the cancer
6 from coming back for a couple years. It gives him
7 a two or three-year window.

8 Q. You had earlier discussed and considered
9 radiation. I'm talking about back before --

10 A. Not really. I said we discussed it and
11 I said it wasn't optimal.

12 Q. You did not feel that that was --

13 A. No, because I thought he was too
14 advanced for it.

15 Q. Okay.

16 A. As I mentioned, I thought his cancer was
17 beyond local treatment. He needed systemic
18 treatment. Systemic being treatment of cancer
19 anywhere in body.

20 Q. Now, the orchiectomy itself --

21 A. Is systemic.

22 Q. -- was made necessary by the cancer?

23 A. Uh-huh.

24 Q. Not by the problems he had with the
25 fistula?

1 A. Right. The orchiectomy was necessary
2 because of his cancer, the fact that it was spread
3 and because there was a low likelihood that
4 cryosurgery would have controlled it without an
5 orchiectomy.

6 Q. That was in 1994?

7 A. Right.

8 Q. And you said that you get about a
9 three-year window at that point?

10 A. Usually a two to three-year window with
11 an orchiectomy.

12 Q. And he's had more than that, actually?

13 A. Right. But his PSA is starting to rise
14 again.

15 Q. I was going to ask you about that. I'd
16 like to continue on through. We have a few more --
17 I won't belabor this, but I would like to go
18 through it.

19 A. All right. I'll move on to my next
20 chart.

21 Q. I think you see him in February of '95?

22 A. Hold on a second. Okay. Here we go. I
23 have January 5 here. Dr. Bregg changed his tube,
24 suprapubic tube.

25 February '95, Dr. Bregg. Having pain.

1 He put him on antibiotics.

2 Q. Are infections because of these tubes
3 fairly commonplace? I mean you get this pretty
4 often?

5 A. Infection and the fact that he had the
6 abscess and the stones, yes.

7 Patient comes in 2/24/95. My impression
8 was prostatorectal fistula, status post colostomy
9 presumably healed. Two, possible sphincteric
10 prostatic urethral disruption from previous
11 cryosurgery.

12 Q. What does that mean?

13 A. That means that the area of the
14 prostate, the mechanism that controls urine and the
15 urethra were completely destroyed by the
16 cryosurgery.

17 Q. Okay.

18 A. We recommended a urethrogram. And he
19 had healed the urethra, so that also told us that
20 from the urethral end the fistula was healed. So
21 it healed from both sides.

22 Q. I see on February 28th, Dr. Goldberg
23 makes a comment that the urethra is mildly
24 irregular, some reflux noted --

25 A. Yes.

1 Q. -- in the distal left ureter?

2 A. Ureter, yes.

3 Q. Now, from then on, I take it the major
4 problems that he encountered because of the fistula
5 are pretty much over; is that correct?

6 A. Well, I think you have to consider the
7 problems from the fistula as being of two kinds.
8 One is the acute problem that one has with
9 infection, fever and risk to his life and
10 well-being.

11 Q. And that was over?

12 A. That was over.

13 The second problem relates to the
14 chronic discomfort that one has with a small
15 contracted bladder, any problems he may have had
16 from that bone that we took out, and of course, the
17 fact that he had an SP tube.

18 So yes, the acute life-threatening
19 problems from the fistula and abscess were over.
20 But the chronic problems were not.

21 Q. The SP tube that he has in now does
22 what? That diverts the urine?

23 A. Yes, it diverts the urine. So he's
24 completely closed off from below. And plus, his
25 sphincter doesn't work. So if we were to clamp

1 that SP tube, the urine would have nowhere to go.

2 Q. So the SP tube basically is a permanent
3 thing?

4 A. A permanent thing.

5 Q. As well as the colostomy?

6 A. As well as the colostomy, unless he has
7 a ileal conduit to divert the urine. Or unless he
8 goes for a fancy reconstruction with flaps, a
9 surgery that would require probably three to four
10 hospitalizations, three months apart, with
11 extensive muscle flaps and -- I mean I wouldn't --
12 I mean I personally would question anyone who did
13 it as to whether he was in his right mind because
14 it has a low chance of success.

15 It could, you know. I mean, you know,
16 you could do it and sometimes you might get away
17 with it; make him a new urethra, a new sphincter.
18 You'd have to have artificial valves. But then
19 you'd have a small bladder, and you'd have to make
20 a bigger bladder. You're talking about putting a
21 guy through surgery for two years straight. Low
22 risk of -- of success.

23 And then the patient, also you have to
24 understand, has a limited life from his prostate
25 cancer, which is progressing. So whatever life he

1 has left, you know, it's not an option.

2 So yes. If your question is for
3 practical purposes, this is a permanent situation.

4 Q. Did the --

5 A. Could someone close it? Yes.

6 Q. But you wouldn't recommend it?

7 A. I personally wouldn't recommend it.

8 Q. And so that's why you consider this SP
9 tube to be a permanent thing for him?

10 A. For practical purposes, yes.

11 Q. Now, there was talk about possibly
12 removing that and having his system work on its
13 own. Was there any attempt to actually try that?

14 A. Yes, but that would -- we tried it a few
15 times and he just couldn't urinate. That talk was
16 in relationship with what Pow-Sang said, that he
17 had seen -- he had talked to some of the surgeons
18 up there -- and I'm going from my recollection
19 years ago.

20 Q. That's all right.

21 A. And that they were going to do some, you
22 know, major reconstruction. You know, it would be
23 in same heroic measures as putting that guy's
24 arm -- you know, the guy with his arm --

25 Q. Yeah, yeah.

1 A. I mean not that much., But it would be
2 that type of heroic measure to go and do it.

3 So the problem with those things,
4 though, if they work, you know, it's great,
5 everybody is a hero. If they don't work, it's a
6 problem.

7 Q. So it's really not in the cards for him?

8 A. I don't think so, no.

9 Q. Okay.

10 A. I mean if he was cured from his prostate
11 cancer I would say, well, it's a consideration, but
12 he's not cured from his prostate cancer. And what
13 I'm afraid of, by the time he got through with the
14 surgery, then we would deal with problem with the
15 prostate cancer.

16 Q. And there's nothing more you can do for
17 the prostate cancer in the way of radiation or
18 anything like that? It would not help it any?

19 A. No. Radiation would be dangerous and
20 wouldn't be helpful because once it's in the lymph
21 nodes -- once the cancer goes in the lymph nodes,
22 there's no point in addressing doing local therapy
23 for someone who doesn't have symptoms. The cat's
24 out of the bag.

25 Q. Would that destroy the cancer that was

1 in those lymph nodes?

2 A. No. Not likely, no. Because the amount
3 of radiation you need for -- to destroy prostate
4 cancer is about 7,000 rads. And you can't deliver
5 safely to the abdomen more than 5,000. So you
6 cannot deliver an adequate dose to sterilize lymph
7 nodes.

8 Q. Since what we have just reviewed, the
9 past year-and-a-half, there's a -- we started with
10 the current stuff, but he's basically coming in now
11 just to have his SP tube changed?

12 A. Basically, he comes in for three or four
13 things.

14 Q. You mentioned also the PSA?

15 A. Right. He comes in to look at the PSA,
16 and then we're going to follow this. It's starting
17 to slowly rise. If it starts to go up a lot, then
18 we would consider him for second line therapy, a
19 drug called Ketoconazole, which is given for fungus
20 that works. But I wouldn't consider it for him
21 until the PSA went well over ten.

22 Q. What is his PSA now? I know it was down
23 in the single -- under one for quite a while.

24 A. I'll tell you right now. 2.1.

25 Q. And that's over a period of time it's

1 risen to 2.1?

2 A. In June it was 2. So it hasn't gone up
3 that much. So it's a slow creep up. So as long as
4 it's a slow creep up, we'll just continue to watch
5 him.

6 The other reason I see him is to change
7 his tube, and the nurses see him. And then
8 periodically I have to make sure he doesn't have
9 complications from the tube, such as a stone or a
10 tumor in the bladder.

11 And then we periodically need to look at
12 the kidneys to make sure they're not being
13 adversely affected.

14 So he's being seen for three reasons;
15 the tube change, the kidneys, to make sure the
16 kidneys are okay, and then the PSA.

17 Q. Now, you're the urologist who is
18 primarily --

19 A. Treating him.

20 Q. -- treating him?

21 A. Yes.

22 Q. Pow-Sang is --

23 A. Pow-Sang was involved only when the
24 problems got so difficult that I figured I wanted
25 to get another opinion.

1 Q. So he started out as a second opinion --

2 A. Right.

3 Q. -- but he actually got in and did some
4 surgical repair as well?

5 A. Yes, because he was trying to evaluate
6 him for a possible total reconstruction.

7 Q. What about infections? Has he had
8 periodic infections?

9 A. Well, the thing is that you have to
10 consider for practical purposes, that he will
11 always have an infection because he has tubes. And
12 there's no way if a person has tubes that he
13 doesn't have an infection. And if we culture him,
14 he's always going to have something.

15 We don't treat infections in patients
16 who have a tube unless the patients have symptoms;
17 a fever, bleeding, discomfort. The reason being is
18 that if I gave him an antibiotic, I would destroy
19 95 percent of the bacteria that are sensitive to
20 that antibiotic. Then it would be five percent
21 that aren't that would grow. And then we would
22 give another antibiotic and we would destroy 95
23 percent of those bacteria.

24 Within a very short period we would end
25 up with an antibiotic -- a bacteria that is

1 resistent to everything. Then we have a
2 life-threatening problem. So we cannot treat these
3 infections.

4 Now, the only time he does get an
5 antibiotic is when we change his tube, because that
6 can lead to a flare. Whenever we manipulate him,
7 it can lead to a flare.

8 MR. CASS: Doctor, that's all the
9 questions I have. I said 6:15, but we got
10 you out of here at six.

11 THE WITNESS: Thank you. You're very
12 nice. Thanks a lot.

13 MR. MISHKIND: I have nothing for you
14 right now.

15 (Thereupon, the deposition was concluded
16 at 6:05 p.m.)

17
18
19
20
21
22
23
24
25

1 CERTIFICATE OF OATH


2 STATE OF FLORIDA)

3 COUNTY OF SARASOTA)

4 I, the undersigned authority, certify that
5 W. E. BARZELL, M.D. personally appeared before me
6 and was duly sworn.

7 WITNESS my hand and official seal this 12th
8 day of March, 1998.

9
10 Betsy Ridenour
11 BETSY RIDENOUR
12 Notary Public
13 State of Florida



DEPOSITION CERTIFICATE

STATE OF FLORIDA)
COUNTY OF SARASOTA)

I, BETSY RIDENOUR, Court Reporter
and Notary Public, do hereby certify that I was
authorized to and did stenographically report
the deposition of W. E. BARZELL, M.D.
that my shorthand notes were thereafter
reduced to typewriting by means of computer-aided
transcription by me; and the transcript is a true
and complete record of my stenographic notes.

I FURTHER CERTIFY I am neither an attorney or
counsel of any of the parties in said event, nor a
relative or employee of any attorney or counsel
employed by the parties hereto, nor financially
interested in the event of said cause.

Dated this 12th day of March, 1998.

Betsy Ridenour
BETSY RIDENOUR
Court Reporter and
Notary Public, State
of Florida at Large

