

Doc. 23

1 State of Ohio, ) -

2 County of Cuyahoga. )

3 - - -

4 IN THE COURT OF COMMON PLEAS

5 - - -

6 THOMAS S. ORTMAN, et al., )

7 Plaintiffs, )

8 v. )

Case No. 317279

9 ROBERT ALBERHASKY, M.D., )

Judge

ARTURO S. BASA, M.D., )

Christopher A. Boyko

10 SURGERY CENTER, INC., PETER )

LAYE, M.D., )

11 Defendants. )

12 - - -

13 THE DEPOSITION OF ARTURO S. BASA, M.D.

14 FRIDAY, MAY 23, 1997

15 - - -

16 The deposition of ARTURO S. BASA, M.D., a  
 17 Defendant herein, called for examination by the  
 18 Plaintiffs, under the Ohio Rules of Civil Procedure,  
 19 taken before me, Lauren I. Zigmont-Miller, Registered  
 20 Professional Reporter and Notary Public in and for the  
 21 State of Ohio, pursuant to notice, at Southwest  
 22 Urology, Inc., 6707 Powers Boulevard, Suite 309, Parma,  
 23 Ohio, commencing at 1:15 p.m., the day and date above  
 24 set forth.

25 - - -

1 APPEARANCES:

2

3 On behalf of the Plaintiffs:

4 JACK LANDSKRONER, ESQ.  
The Landskroner Law Firm  
5 55 Public Square, Suite 1040  
Cleveland, Ohio 44113-1904  
6 (216) 241-7000

7

8 On behalf of the Defendant Arturo S. Basa, M.D:

9 MARILYN J. MILLER, ESQ.  
Jacobson, Maynard, Tuschman & Kalur  
10 1001 Lakeside Avenue, Suite 1600  
Cleveland, Ohio 44114  
11 (216) 736-8600

12

13 On behalf of the Defendant Peter Laye, M.D:

14 JOHN POLITO, ESQ.  
Jacobson, Maynard, Tuschman & Kalur  
15 1001 Lakeside Avenue, Suite 1600  
Cleveland, Ohio 44114  
16 (216) 736-8600

17

18

- - -

19

20

21

22

23

24

25

1                                   ARTURO S. BASA, M.D.,  
2 a Defendant herein, called for cross-examination by the  
3 Plaintiffs, under the Rules, having been first duly  
4 sworn, as hereinafter certified, deposed and said as  
5 follows:

6                                   CROSS-EXAMINATION

7 BY MR. LANDSKRONER:

8                   Q.     Doctor, my name is Jack Landskroner.  
9 We're here to take your deposition today in the matter  
10 of Tom Ortman versus Dr. Basa, Dr. Laye and Dr.  
11 Alberhasky.

12                               I'm going to ask you some questions  
13 today. I need you to make your responses verbal so the  
14 court reporter can take everything down. Try not to  
15 nod your head or say uh-huh or un-un because they don't  
16 transcribe very well. If I ask you a question that you  
17 don't understand, stop me and ask me to rephrase it. I  
18 want to make sure that you understand every question  
19 that you answer.

20                               Do you understand those instructions?

21               A.     Yes.

22               Q.     If you need to take a break at some time,  
23 if you get a page or if you need to use the bathroom,  
24 whatever, let me know, we'll take a break and go off  
25 the record and get everything taken care of.

1                   In preparing for your deposition today,  
2 did you have a chance to review any documents related  
3 to the care of Mr. Ortman or otherwise?

4           A.     Yes.

5           Q.     Can you tell me what you reviewed?

6           A.     Well, I reviewed when he came in, the  
7 history and physical examination and what I jotted down  
8 in my notes what he came in for.

9           Q.     "He" is referring to Tom Ortman?

10          A.     Tom Ortman, yes.

11          Q.     In essence, you're talking about the  
12 materials that are in front of you that are from your  
13 chart on Mr. Ortman?

14          A.     Yes, this is the chart of Mr. Ortman.

15          Q.     Did you review anything outside of what's  
16 in that chart in preparation for your deposition?

17          A.     No.

18          Q.     Since this lawsuit has been filed, have  
19 you had any discussions with any of the other care  
20 providers who have been involved in Mr. Ortman's care?

21          A.     My partner Dr. Sidor.

22          Q.     Anybody else besides Dr. Sidor?

23          A.     Briefly Dr. Tancinco. T-A-N-C-I-N-C-O.

24          Q.     Did you at any point have any discussions  
25 with Dr. Alberhasky?

1 A. No.

2 Q. Did you have any discussions with  
3 Mr. Ortman's treating oncologist now, Dr. Connell?

4 A. No.

5 Q. Anybody else you can think of besides  
6 Dr. Sidor and Dr. Tancinco?

7 A. No.

8 Q. Doctor, you practice in the area of  
9 urology?

10 A. Urology, yes.

11 Q. Can you define urology for me?

12 A. Urology is a surgical subspecialty that  
13 deals with diseases of the genital urinary system which  
14 consists of the kidney, the bladder, ureter, testicle.

15 Q. In the area of urology do you have any  
16 subspecialty?

17 A. No.

18 Q. In your practice do you have occasion to  
19 deal with patients with testicular cancers?

20 A. Yes.

21 Q. In your experience have you dealt with  
22 patients with seminoma before?

23 A. Yes.

24 Q. Have you dealt with embryonal carcinoma?

25 A. Yes.

1 Q. Have you dealt-with mixed, both types of  
2 cancers?

3 A. Yes.

4 Q. Can you tell me the characteristics of a  
5 seminoma in terms of a clinical evaluation of a  
6 seminoma?

7 MS. MILLER: Objection.

8 BY MR. LANDSKRONER:

9 Q. You can answer.

10 MS. MILLER: Go ahead and  
11 answer

12 A. Seminoma is the most common testicular  
13 tumor, and it's usually -- as I tell patients, it's the  
14 less malignant of --

15 MS. MILLER: I just want to  
16 clarify the question. You want to know  
17 what the clinical signs and symptoms of  
18 these different types of tumors are; is  
19 that right?

20 MR. LANDSKRONER: Yes.

21 A. It's represented as a mass in the  
22 testicle.

23 Q. If you will, as you were, continue to  
24 describe what a seminoma is.

25 A. Seminoma is a testicular tumor.

1 Q. Characteristic of a seminoma you mentioned  
2 that it was the most common of tumors.

3 A. Most common tumors.

4 Q. Anything else characteristic of seminoma?

5 A. It's slow growing, it's the less  
6 aggressive tumor and radiosensitive.

7 Q. What are the characteristics that define  
8 embryonal carcinoma?

9 A. Embryonal carcinoma is another testicular  
10 tumor which is more aggressive than seminoma. Usually  
11 it responds to chemotherapy.

12 Q. Is it embryonal or embryonal?

13 A. Embryonal.

14 Q. Is that a radiosensitive carcinoma?

15 A. Not radiosensitive.

16 Q. In the course of your practice do you  
17 receive any journals or publications that you use to  
18 rely on in your practice?

19 MS. MILLER: Objection.

20 (Thereupon, there was a discussion off the  
21 record.)

22 A. I read a lot of journals and urology  
23 textbooks, but I don't have any particular paper.

24 Q. Can you give me the names of a few of the  
25 journals that you read in the course of your practice

1 and that you rely on?

2 A. The Journal of Urology.

3 Q. How about a text, is there a general text  
4 that you use or rely on in your practice of urology?

5 A. Campbell.

6 MS. MILLER: Objection.

7 Q. Is there also a Smith's General Urology  
8 that is utilized, do you utilize that at all?

9 MS. MILLER: Objection.

10 A. No, I don't.

11 Q. You mentioned aggressive versus a  
12 non-aggressive tumor in defining seminoma versus  
13 embryonal carcinoma. How would you describe an  
14 aggressive versus a non-aggressive, what does that  
15 mean?

16 A. Aggressive means to say it's usually a  
17 fast-growing tumor; a less aggressive is slow-growing  
18 type of malignant tumor.

19 Q. What's the definition of tumor?

20 A. Tumor is a mass of tissue that is usually  
21 benign or malignant.

22 Q. I'm going to walk you through some  
23 definitions just so I have a general understanding.

24 The testes, can you define the testes  
25 and tell me what their function is?



1           A.     The testes is the male organ that's  
2 responsible for the production of sperm and  
3 testosterone.

4           Q.     Orchiectomy?

5           A.     Removal of the testicle.

6           Q.     Malignant intratubular germ cell neoplasm?

7           A.     It means to say that it's a tumor that  
8 involves the tubules of the testicle.

9           Q.     Does germ cell give it any defining  
10 characteristic or trait?

11          A.     Germ cell means that it's related to the  
12 testicle, germ cell.

13          Q.     Malignant?

14          A.     Malignant is cancerous.

15          Q.     What are lymphatics?

16          A.     Lymphatics are the lymph glands that are  
17 responsible for the lymph tissue. It's usually the  
18 defense mechanism of the body.

19          Q.     How about the endothelial lined spaces, is  
20 there a definition for that?

21          A.     I'm sorry.

22          Q.     What does vascular invasion mean?

23          A.     Vascular means invade the blood vessel.

24          Q.     Doctor, would you agree that patients with  
25 testicular cancer require regular follow-up care after

1     orchiectomy.

2                     MS. MILLER:                     Objection.

3     BY MR. LKNDKRONER:

4             Q.     Is that a true statement?

5             A.     In general?

6             Q.     Yes, yes, just a generalization.

7             A.     Well, if they -- it requires follow-up,  
8     yes.

9             Q.     Can you tell me what is the appropriate  
10    treatment and time frame for treatment and follow-up  
11    care of a patient post-orchiectomy?

12                     MS. MILLER:                     Objection.

13             A.     Post-orchiectomy, usually we follow them  
14    up after their surgery to the office.

15             Q.     Is there a time frame for follow-up care  
16    in terms of the number of follow-up appointments that  
17    you're going to schedule with a patient after  
18    orchiectomy?

19                     MS. MILLER:                     Is this a  
20                     37-year-old patient, a 90-year-old?

21             Q.     Just in general, is there a standard of  
22    care for follow-up for orchiectomy that you adhere to?

23             A.     Yes. You see them a week or two weeks  
24    after the surgery.

25             Q.     And in that examination what's undertaken,

1 are there tests that are done?

2 A. Examine the testicle, examine the size of  
3 the incision, see if it's healed, see if the patient  
4 has any complaints postoperatively.

5 Q. If the orchiectomy was performed to remove  
6 cancerous lesion, is there additional testing that is  
7 done in your postoperative follow-up?

8 A. You review the pathology that was given to  
9 you.

10 Q. Pathology review. Do you take any x-rays?

11 A. Yes, we do an x-ray, and also, depending  
12 upon the pathology result, what you do next depends on  
13 the pathology that you see.

14 Q. What about blood work, is blood work  
15 performed at that time?

16 A. Blood work was done preoperatively.

17 Q. And after orchiectomy you rely on the  
18 blood work that was done preoperatively?

19 A. Preoperatively.

20 Q. Let's turn to your chart, if you can.

21 Your notes from your consultations with Mr. Ortman, if  
22 you can turn to those pages. I'm going to walk you  
23 through these notes so I make sure I didn't miss  
24 anything in the handwriting. I may stop you throughout  
25 as you're reading through and just ask you some

1 questions about that.

2                               You first saw Mr. Ortman on May 1st,  
3 1995?

4           A.     Correct.

5           Q.     How is it that Mr. Ortman came to your  
6 care?

7           A.     Well, he came in to me as a patient.

8           Q.     Was he referred to you?

9           A.     No, he was not referred by anybody.

10          Q.     Do you know how it is he came to your  
11 office as opposed to seeing Dr. Sidor or seeing someone  
12 else in another practice?

13          A.     I don't know why.

14          Q.     If you don't know, just tell me you don't  
15 know.

16          A.     I don't know.

17          Q.     Okay. Can you read for me what your note  
18 indicates for that May 1st visit?

19          A.     May 1, 1995 visit. Mr. Ortman told me he  
20 has a right testicular hard growth noted about three  
21 weeks ago and he also complained of pain in the  
22 pilonidal area. Denies any known allergy. **An**  
23 examination of the genitalia reveals an indurated hard  
24 right upper testicle with tenderness and the abdomen  
25 was soft, flat, no palpable mass.

1                   My impression then was right  
2 epididymitis and right epididymal tumor. Because of  
3 that, I prescribed Cipro and I ordered an ultrasound of  
4 the testicle and told him to come back, return to  
5 clinic in two weeks.

6           Q.     Right above where it says your diagnosis,  
7 is that a signature line, can you see right there  
8 (indicating)?

9           A.     Mass.

10          Q.     That says mass, okay.

11          A.     No palpable mass.

12          Q.     Got it. You referred him for ultrasound  
13 and was that undertaken?

14          A.     Yes.

15          Q.     What was the purpose of referring him for  
16 an ultrasound?

17          A.     Well, just to make sure that I'm not  
18 dealing with -- well, because my impression is  
19 testicular tumor.

20          Q.     The ultrasound would help you to reveal  
21 whether or not it was a tumor or not?

22          A.     Yes.

23                   (Thereupon, Plaintiffs' Exhibit 1  
24 to the deposition of Arturo Basa, M.D.  
25 was marked for purposes of

1 identification,)

2 BY MR. LANDSKRONER:

3 Q. Doctor, I'm going to show you what's been  
4 marked as Exhibit 1 and ask you if you can identify  
5 that for me. Do you know what that is?

6 A. Yes.

7 Q. Can you tell me for the court reporter to  
8 take that down?

9 A. This is the result of the ultrasound of  
10 the testicle dated 5-3-95.

11 Q. In conjunction with this ultrasound and  
12 your examination, what conclusions did you come to  
13 concerning Mr. Ortman's condition?

14 A. That I'm dealing with a right testicular  
15 tumor.

16 Q. At that point in time did you have any  
17 considerations as to what type of tumor you were  
18 dealing with?

19 A. No.

20 Q. On the ultrasound report there's an  
21 indication that it may be a seminoma or embryonal cell  
22 carcinoma, correct?

23 A. Yes.

24 Q. Did you concur at that time with those  
25 findings?

1 MS. MILLER: Objection.

2 A. Yes.

3 Q. Referring back to your notes. You next  
4 see Mr. Ortman on May 8, 1995. If you will, read for  
5 me those notes from that consultation.

6 A. Testicular ultrasound revealed probably  
7 testicular tumor, and I suggested excision of right  
8 testicle and possible orchiectomy.

9 Q. Your next consultation with Mr. Ortman was  
10 on May 15, 1995?

11 A. May 15th, correct.

12 Q. If you'll read through that entry.

13 A. Pathology report, seminoma. Discuss  
14 pathology and treatment. Radiation therapy. Return in  
15 two weeks.

16 Q. So at that point you had already performed  
17 the procedure?

18 A. Yes.

19 (Thereupon, Plaintiffs' Exhibit 2 to the  
20 deposition of Arturo Basa, M.D. was marked  
21 for purposes of identification.)

22 BY MR. LANDSKRONER:

23 Q. This is Exhibit 2. I'll ask if you can  
24 identify Exhibit 2, please. Can you identify that,  
25 please?

1           A.     Yes.  It's my operative report.

2           Q.     Did you have a chance to look over this  
3 report?

4           A.     Yes.

5           Q.     If you will, just sort of walk me through  
6 the procedure that you performed and what your findings  
7 were.

8           A.     I did the procedure of expiration of the  
9 right testicle through the inguinal approach.  Under  
10 successful anesthesia and endotracheal intubation, the  
11 patient was placed in supine position.  We prepped as  
12 usual the genitalia and lower abdomen and I made a  
13 right inguinal incision.

14                     (Thereupon, Mr. Polito came into the  
15 deposition.)

16           A.     Bleeders were clamped and  
17 electrofulgurated.  The external oblique aponeurosis  
18 was cut in the direction of the incision and I  
19 delivered the spermatic cord.  Before I did anything  
20 with the testicles I clamped them.  The right testicle  
21 and the cord were delivered out through the incision  
22 with a sharp, blunt dissection.

23                     We explored the testicle at that point.  
24 There was a hard mass at the lower aspect of the right  
2s testicle.  Because I felt that the tumor was solid,



1 looked like malignant, so I dissected the cord and  
2 ligated it.

3 Q. Did any piece of the tumor extend outside  
4 the testicular region?

5 A. No.

6 Q. You mention in the last sentence in your  
7 first paragraph that you explained the risks to the  
8 patient and his girlfriend. Do you recall anything  
9 about that conversation you had with Mr. Ortman and his  
10 girlfriend?

11 A. No.

12 Q. At any point did Mr. Ortman inform you  
13 that he and his girlfriend were scheduled to be  
14 married; do you have any recollection of that  
15 conversation?

16 A. No.

17 Q. Having completed your surgery, did you  
18 perform or did you contribute at all to the gross  
19 description of the tumor that was placed in the medical  
20 records?

21 MS. MILLER: In the  
22 pathology report?

23 MR. LANDSKRONER: Yes, the  
24 pathology report. Strike the question.

25 A. What's the question?

1 Q. Strike the question. It's a bad question.

2 Did you notice anything about the tumor  
3 that gave you an indication as to what type of tumor it  
4 was, what the cell makeup of it was?

5 A. No.

6 Q. You took the tumor and you forwarded it to  
7 the pathology department; is that correct?

8 A. Correct.

9 Q. At any point did you speak to Dr. Tancinco  
10 that afternoon or in that time frame after the surgery?

11 A. No.

12 Q. Do you know who did the gross description  
13 on the pathology report?

14 A. No.

15 Q. Upon receiving back the pathology report  
16 what was your diagnosis for Mr. Ortman?

17 A. Excuse me, what's the question?

18 Q. The question is, having completed the  
19 procedure and reviewing the pathology report that was  
20 returned to you, what was your diagnosis for  
21 Mr. Ortman's condition?

22 A. This is the pathology report of 5-10-95.  
23 Usually you don't get the path report in a couple of  
24 days, so the path report said seminoma.

25 Q. You initially had as part of your

1 diagnosis concurred that it-might be a possible  
2 embryonal carcinoma or a seminoma based on the  
3 ultrasound, correct?

4 MS. MILLER: Objection. I  
5 think you're mischaracterizing what he  
6 said. I think he said that that  
7 ultrasound report listed that there were  
8 possibilities of embryonal or seminoma.  
9 Your question was, do you have any  
10 disagreement with the report. I think  
11 your question is a little different now.  
12 MR. LANDSKRONER: I think I asked  
13 the doctor did he concur with the findings  
14 in the ultrasound and he said he did.

15 BY MR. LANDSKRONER:

16 Q. I'm asking, based on what the findings  
17 were in the ultrasound, you concurred that this could  
18 be a seminoma or embryonal carcinoma, correct?

19 A. When I saw --

20 Q. Prior to the pathology coming back. After  
21 the ultrasound was done, you concurred with the  
22 findings in the ultrasound that this could be seminoma  
23 or embryonal?

24 A. Could be either.

25 Q. After receiving the pathology report, were

1 you satisfied that this was not an embryonal carcinoma?

2 A. Yes, I am satisfied.

3 Q. And what did you rely on to make that  
4 determination?

5 A. His expertise.

6 Q. When you say "his," you're referring to  
7 whom?

8 A. Alberhasky.

9 Q. Let's mark the pathology report as  
10 Plaintiffs' Exhibit 3.

11 (Thereupon, Plaintiffs' Exhibit 3 to the  
12 deposition of Arturo Basa, M.D. was marked  
13 for purposes of identification.)

14 BY MR. LANDSKRONER:

15 Q. Just, if you will, identify that for the  
16 court reporter. Is Exhibit 3 the pathology report  
17 you're referring to performed by Dr. Alberhasky?

18 A. Yes, 5-10-95.

19 Q. Do you know Dr. Alberhasky?

20 A. No.

21 Q. Have you ever spoken to him?

22 A. No.

23 Q. Dr. Basa, the surgery you performed was at  
24 the Surgery Center. How is it that you come to  
25 practice at the Surgery Center,, is that where you

1 normally do all your surgical procedures?

2 A. Yes, majority, 'outpatient.

3 Q. Do you have some sort of agreement with  
4 the Surgery Center as to use of their facility?

5 MS. MILLER: Objection.

6 BY MR. LANDSKRONER:

7 Q. How is it set up -- how is it that you're  
8 allowed to go into the Surgery Center and practice, do  
9 you have privileges there?

10 A. Privileges, yes.

11 Q. Is that somehow affiliated with Southwest  
12 General Hospital?

13 A. No, separate.

14 Q. If I was an M.D. and I wanted to practice  
15 at the Surgery Center, how would I go about doing that?

16 A. You apply for privileges, like hospital.

17 Q. The pathology that was performed was done  
18 also at the Surgery Center. How is it that you present  
19 the pathology to the Surgery Center for review as  
20 opposed to some other location?

21 A. They have their own pathology.

22 Q. So it operates just as if you were at a  
23 regular hospital, you would then leave the pathology  
24 there and the individual physicians would then go out  
25 and read and review the pathology for you?

1 A. That's right. -

2 Q. Is it true that clarity of tumor  
3 identification is critical in determining the  
4 appropriate treatment modalities for each tumor?

5 MS. MILLER: Objection.

6 A. Explain to me, repeat the question.

7 Q. You indicated that you believe based on  
8 the pathology that this was a seminoma, and I'm asking  
9 you, is the clarity of the tumor identification, the  
10 importance of tumor identification, critical in  
11 determining the appropriate treatment that you're going  
12 to recommend after pathology has come back?

13 A. That's right.

14 Q. And so is it fair to say that you based  
15 the treatment you recommended for Mr. Ortman on the  
16 findings that were in the pathology report which has  
17 been marked as Exhibit 3?

18 A. That's right.

19 Q. Can you tell me what the difference in  
20 treatment modalities would be for a seminoma versus an  
21 embryonal carcinoma?

22 A. Seminoma, as I said before, they are  
23 radiosensitive, in other words, they respond to  
24 radiation treatment. Embryonal usually are treated  
25 differently, chemotherapy, expiration of the

1 retroperitoneal lymph gland,

2 Q. You directed Mr. Ortman to see a  
3 physician, Dr. Laye; is that correct?

4 A. Dr. Laye, yes.

5 Q. Dr. Laye is in what area of practice?

6 A, Radiation oncologist.

7 Q. Can you tell me the reason that you sent  
8 Mr. Ortman to Dr. Laye?

9 A. It's convenient for our patient to go to  
10 the West Side Imaging.

11 Q. And why would you send Mr. Ortman  
12 specifically to a radiation oncologist?

13 A. Based on the pathology that I got,  
14 seminoma.

15 Q. Would that be for treatment of radiation  
16 therapy for the seminoma?

17 A. That's right.

18 Q. If you were aware that there was embryonal  
19 carcinoma present, would you have sent Mr. Ortman to  
20 Dr. Laye?

21 MS. MILLER: Objection.

22 A. No.

23 Q. Why not?

24 A. Because that's not the appropriate  
25 treatment.

1           Q.     What type of treatment would you have  
2 recommended for Mr. Ortman if you were aware there was  
3 embryonal carcinoma?

4                   MS. MILLER:                   Objection.

5           A.     Chemo.

6           Q.     Is there a distinction between an invasive  
7 and a non-invasive cancer in terms of the course of  
8 treatment you're going to recommend for a patient?

9           A.     You're talking about seminoma?

10          Q.     Seminoma, correct.

11          A.     The same.

12          Q.     What about for an embryonal carcinoma?

13          A.     Different.

14          Q.     Tell me the differences between the  
15 invasive embryonal carcinoma and a non-invasive  
16 embryonal carcinoma in terms of treatment that you  
17 would recommend.

18          A.     They all respond to chemotherapy.

19          Q.     As I see you're making the distinction  
20 between the seminoma and embryonal, not between an  
21 invasive and non-invasive?

22          A.     No.

23          Q.     I believe you stated that embryonal  
24 carcinoma is more aggressive cancer, correct?

25          A.     More aggressive cancer.



1           Q.     Is it true that the longer that cancer  
2 goes untreated the more dangerous that it is to the  
3 patient?

4                   MS. MILLER:                   Objection.

5                   MR. POLITO:                   Objection as to  
6 form.

7                   Go ahead, Doctor.

8           A.     The question again?

9           Q.     Is it true that the longer that cancer  
10 goes untreated in the patient the more dangerous it is  
11 to the patient?

12          A.     Of course.

13          Q.     Is that true more so for a patient who has  
14 an aggressive tumor as opposed to a non-aggressive  
15 tumor?

16          A.     Well, you know, you treat them depending  
17 upon the pathology report.

18          Q.     Is a tumor that's more aggressive more  
19 dangerous to a patient the longer that it goes  
20 untreated as opposed to a non-aggressive tumor?

21                   MS. MILLER:                   Objection to  
22 the word dangerous.

23                   MR. POLITO:                   Objection as to  
24 form.

25          A.     You mean the more aggressive a tumor is --

1 of course the more aggressive the more dangerous they  
2 are.

3 Q. If the cancer has vascular invasion  
4 involved with it in a testicular cancer, does it put  
5 the patient at even higher risk if the delay in  
6 diagnosis is longer?

7 MR. POLITO: Objection as to  
8 form.

9 MS. MILLER: Objection.

10 A. I don't think there's a delay in the  
11 diagnosis of this case.

12 MS. MILLER: Doctor, answer  
13 the question. Do you understand the  
14 question?

15 THE WITNESS: No.

16 A. What do you mean?

17 Q. I'm saying, if a tumor or a cancer has  
18 invaded vascularly to the system as opposed to a cancer  
19 that has not, should there be even greater concern for  
20 a patient if the cancer goes untreated?

21 A. Definitely.

22 Q. Doctor, back to your notes, if you can.  
23 The next time you see Mr. Ortman after May 15, 1995 is  
24 June 5, 1995, correct?

25 A. June 5 he didn't show up

1 Q. And then is there a reason stated why he  
2 didn't show up? Read me that entry.

3 A. Patient ill, complained ill.

4 Q. What's the --

5 A. I just saw it now.

6 Q. Is that your handwriting?

7 A. No.

8 Q. It says R/S 6-12. Is that reschedule  
9 6-12?

10 A. Yes.

11 Q. The next time you see Mr. Ortman is June  
12 12, 1995?

13 A. That's correct. Getting radiation  
14 treatment. Return in three months.

15 Q. That was the radiation treatment from  
16 Dr. Laye?

17 A. Dr. Laye, yes.

18 (Thereupon, Plaintiffs' Exhibit 4 to the  
19 deposition of Arturo Basa, M.D. was marked  
20 for purposes of identification.)

21 BY MR. LANDSKRONER:

22 Q. Doctor, if you'll take a look at that and  
23 familiarize yourself with it and identify it for me.  
24 Can you identify that document for me?

25 A. Yes.

1 Q. What is that? -

2 A. August 16, 1995 letter to Dr. Basa.

3 Mr. Ortman is a 37-year-old --

4 MS. MILLER: I don't think  
5 he wants you to read it.

6 BY MR. LANDSKRONER:

7 Q. Tell me what it is. Is that a letter from  
8 Dr. Laye to you?

9 A. Yes.

10 Q. That's dated August 16, 1995, correct?

11 A. Yes.

12 Q. That letter is an indication from Dr. Laye  
13 to you that he has completed his treatment, radiation  
14 treatment with Mr. Ortman, correct?

15 A. Yes.

16 Q. And that Mr. Ortman was advised to contact  
17 you for a follow-up appointment?

18 A. Yes.

19 Q. Did, in fact, Mr. Ortman schedule a  
20 follow-up appointment with you?

21 A. Yes. I saw him on August 21, 1995.

22 Q. From this letter, is it your understanding  
23 that Dr. Laye was returning charge of Mr. Ortman's care  
24 back to you?

25 MS. MILLER: Objection.

1 A. For follow-up.-

2 Q. Were you Mr. Ortman's primary care  
3 physician?

4 MS. MILLER: Objection.

5 A. No.

6 Q. Who was?

7 A. I don't know.

8 Q. Were you his primary care physician or at  
9 least the physician in charge of caring for him with  
10 regard to the testicular cancer?

11 MS. MILLER: Objection.

12 A. That's right.

13 Q. At any point in time did you turn control  
14 or turn control of care of Mr. Ortman over to Dr. Laye  
15 or did you maintain involvement in his care throughout  
16 and you were still in charge of his care?

17 A. Both of us.

18 Q. At this point based on this August 16th  
19 letter, is it your understanding that Dr. Laye was  
20 returning charge of the patient back to your care?

21 MS. MILLER: Objection.

22 A. Still have to see him also on the p.r.n.  
23 basis.

24 Q. P.r.n. basis is as-needed basis?

25 A. As necessary basis.

1 Q. Does that mean-it's up to Mr. Ortman when  
2 he wants to go back and see Dr. Laye?

3 A. Yes.

4 Q. In some instances, Doctor, lymph node  
5 dissection is utilized for patients with testicular  
6 cancer for staging purposes; is that correct?

7 A. Embryonal.

8 Q. Only for embryonal cancer?

9 A. Yes.

10 Q. Is that the gold standard in staging?

11 MS. MILLER: Objection.

12 A. Not really.

13 Q. Is there a gold standard for staging of  
14 embryonal carcinoma?

15 MS. MILLER: Objection.

16 A. We do CAT scan instead.

17 Q. In this instance --

18 A. And also blood test.

19 MS. MILLER: Do you know  
20 what he means by gold standard?

21 THE WITNESS: Standard of  
22 care.

23 MS. MILLER: Is that  
24 what you mean, Jack?

25 ///

1 BY MR. LANDSKRONER:

2 Q. Is that the standard, the optimal standard  
3 that's used to determine what the staging is?

4 MS. MILLER: Objection.

5 A. Standard of care, yes.

6 Q. Can you tell me why you did not undertake  
7 any lymph node dissection during the surgical  
8 procedure?

9 A. Because of the pathology finding of  
10 seminoma.

11 Q. At the time you did the procedure, you did  
12 not know whether it was a seminoma or an embryonal  
13 carcinoma, correct?

14 A. Yes.

15 Q. If there was a finding of embryonal  
16 carcinoma at that time, would you have gone back in and  
17 done another procedure for lymph node dissection?

18 MS. MILLER: Objection.

19 A. Repeat the question.

20 Q. Sure. I'm just trying to understand if at  
21 the time you did the procedure you didn't know what  
22 type of cancer it was and you didn't do lymph node  
23 dissection because you thought -- you didn't do lymph  
24 node dissection at the time and after the fact you  
25 found out it was seminoma, correct, from the pathology

1 report?

2 A. The pathology reports say seminoma, so you  
3 stop there and you give him the appropriate treatment.

4 Q. If there was embryonal carcinoma that was  
5 indicated on the pathology report, would you then have  
6 gone back and done lymph node dissection?

7 A. Well, I will do a CAT scan and do lymph  
8 node dissection, yes.

9 Q. Is there a criteria for surveillance of  
10 patients after orchiectomy that is the standard of  
11 care?

12 MS. MILLER: Objection.

13 BY MR. LANDSKRONER:

14 Q. Strike that.

15 Is there a criteria for orchiectomy  
16 patients postoperatively for follow-up and surveillance  
17 when lymph node dissection is not undertaken that is  
18 considered the standard of care?

19 A. In seminoma?

20 Q. In seminoma or in any other -- in seminoma  
21 first.

22 A. Seminoma are a different breed of  
23 testicular tumor.

24 Q. What is the surveillance follow-up for  
25 seminoma?



1           A.     Seminoma, you send them to radiation  
2     oncologist to do the workup that he need to do.

3           Q.     And for embryonal carcinoma?

4           A.     Well, I take care of them and then refer  
5     them to an oncologist.

6           Q.     Upon completion of the radiation, is there  
7     a surveillance protocol that is utilized in your  
8     practice?

9                     MS. MILLER:                     Objection.

10          BY MR. LANDSKRONER:

11          Q.     You have to answer verbally, sir.

12          A.     None.

13          Q.     Doctor, I've read that postsurgical  
14     orchiectomy there is a follow-up standard for patients  
15     for the first year, a patient is supposed to be seen at  
16     least once a month postoperatively for the first year.  
17     Are you familiar with that standard?

18          A.     For what tumor?

19          Q.     Regardless of the tumor, post-orchiectomy.

20          A.     Not really.

21          Q.     Every two months postoperatively a patient  
22     after orchiectomy with removal of a testicle and tumor  
23     is supposed to be followed for the second year, are you  
24     familiar with that standard?

25          A.     If it's embryonal.

1 Q. Not for seminoma?

2 A. No.

3 Q. Every four months for the third year, are  
4 you familiar with that standard, if it's embryonal?

5 A. Embryonal you have to follow them up  
6 closely.

7 Q. Can you give me the time frame in terms of  
8 following up closely, what's your understanding of how  
9 often you should see a patient post-orchietomy?

10 A. Three months. Embryonal?

11 Q. Yes.

12 A. Three months.

13 Q. How long do you continue to do that for?

14 A. A year.

15 Q. In the second year do you still continue  
16 to see the patient postsurgical for an embryonal  
17 carcinoma?

18 MS. MILLER: Objection.

19 A. Follow-up by me or oncology.

20 Q. How often?

21 A. Depends.

22 MS. MILLER: Objection.

23 BY MR. LANDSKRONER:

24 Q. Is there no set determination that's the  
25 standard of care that you're aware of in terms of

1 follow-up for a patient post embryonal carcinoma  
2 orchiectomy?

3 MS. MILLER: Objection.

4 A. Three to six months.

5 Q. How many years do you continue to follow a  
6 patient post-orchiectomy, a patient who has had cancer?

7 MR. POLITO: Are we talking  
8 embryonal again?

9 MR. LANDSKRONER: Embryonal.

10 A. Every year you follow them up.

11 Q. For how many years?

12 A. indefinite.

13 Q. Is it appropriate to do a CAT scan as part  
14 of your follow-up examination --

15 MS. MILLER: Objection.

16 Q. -- for embryonal carcinoma?

17 A. Embryonal, yes.

18 Q. How often in the first year?

19 A. Well, if they are treated with chemo,  
20 three months, six months, a year.

21 Q. What are the follow-up modalities as part  
22 of your examination that you would perform on a patient  
23 post embryonal carcinoma orchiectomy?

24 A. Follow up them with CAT scan, blood test,  
25 alpha-fetoprotein and chorionic gonadotropin.

1 Q. Chest x-ray?

2 A. Chest x-ray.

3 Q. And again, Doctor, this is for embryonal  
4 carcinoma. What about for seminoma?

5 A. Seminoma, because it's usually  
6 radiosensitive you're kind of a little bit lenient on  
7 the patient depending upon his symptoms and what he  
8 feels. You know, he can see us regularly for that. If  
9 he feels anything unusual we advise them to see us.

10 Q. If the patient doesn't feel anything  
11 unusual with a seminoma, what is the standard that you  
12 apply in treating the patient for follow-up care and  
13 surveillance?

14 A. You tell them to come back in six months  
15 to a year.

16 Q. In the second year do you continue to see  
17 them as well?

18 A. Yes.

19 Q. Under the same guidelines?

20 A. Same guidelines.

21 Q. You'd see them once the first year, once  
22 the second year or if they had a problem?

23 A. That's right.

24 Q. Did Mr. Ortman to your understanding in  
25 June of 1995 after performing the orchiectomy, can you

1 tell me if Mr. Ortman had vascular invasion?

2 A. No.

3 Q. He did not have it?

4 MR. POLITO: Could you  
5 repeat the question?

6 MR. POLITO: Read back the  
7 question and answer.

8 THE NOTARY: Question:

9 "Did Mr. Ortman to your understanding in  
10 June of 1995 after performing the  
11 orchiectomy, can you tell me if Mr. Ortman  
12 had vascular invasion?

13 "Answer: No."

14 BY MR. LANDSKRONER:

15 Q. What do you base that finding on?

16 A. On my determination of the tumor markers.

17 Q. And those were what?

18 A. Normal.

19 Q. The tumor markers you're referring to are  
20 the --

21 A. Alpha-fetoprotein and chorionic  
22 gonadotropin.

23 MR. POLITO: What was the  
24 date of that, Doctor?

25 THE WITNESS: The date is

1 5-19-95. -

2 BY MR. LANDSKRONER:

3 Q. Were those the only modalities that you  
4 relied on to make that determination?

5 MS. MILLER: Objection.

6 A. Yes.

7 Q. Doctor, we can go to your August 21, 1995  
8 note. If you can read that for me.

9 (Thereupon, Plaintiffs' Exhibit 5 to the  
10 deposition of Arturo Basa, M.D. was marked  
11 for purposes of identification.)

12 Q. Can you identify Exhibit 5, is that your  
13 August 21 --

14 A. Yes.

15 Q. Can you read that note for me?

16 A. Patient doing fine. No pain. Some  
17 burning in the lower back and hip. No pain. The  
18 abdomen is soft, flat, no palpable mass. Genitalia:  
19 absent right testicle, normal left testicle.  
20 Diagnosis: seminoma right testicle. Return one year  
21 or as necessary.

22 Q. What's the significance of the low back  
23 pain that Mr. Ortman was experiencing?

24 MS. MILLER: Objection.

25 ///

1 BY MR. LANDSKRONER: -

2 Q. I'm sorry, low back burning.

3 A. Lower back burning. Patient has been  
4 known to have chronic back pain, so it's one of those  
5 things that he complained about.

6 Q. Was this the same type of pain that he had  
7 been having prior?

8 MS. MILLER: Objection.

9 A. Nothing significant according to him, so I  
10 didn't --

11 MS. MILLER: You answered  
12 the question.

13 Q. Do you recall whether or not this was a  
14 muscle type of pain, pain in his muscle, or a burning  
15 in his muscle, or did he describe the burning sensation  
16 any more specifically than what you've indicated in  
17 your note?

18 A. No specific thing.

19 Q. This was the consultation that was made  
20 after Mr. Ortman completed his treatment with Dr. Laye,  
21 correct?

22 A. That's right.

23 Q. Is this the last time that you had seen  
24 Mr. Ortman?

25 A. That's right, correct.

1 Q. It was your understanding that Mr. Ortman  
2 was going to return to you in one year for follow-up?

3 A. Or whenever he has problem.

4 Q. Is there a p.r.n. down here on your note  
5 anywhere?

6 A. No.

7 Q. So based on your note, you just told him  
8 to return in one year?

9 A. One year.

10 Q. At that time did you perform any  
11 additional blood work on Mr. Ortman?

12 A. No.

13 Q. Did you perform any additional x-rays?

14 A. No.

15 Q. Did you perform a CAT scan?

16 A. No.

17 Q. Did you check the tumor markers, the  
18 alpha-protein or the --

19 A. Chorionic gonadotropin, alpha-fetoprotein  
20 No, because it's seminoma.

21 Q. So there was no need to follow up because  
22 it was a seminoma?

23 A. Correct.

24 Q. Doctor, can you tell me who Dr. Sidor is?

25 A. One of my partners.



1 Q. And that's here at Southwest Urology?

2 A. Yes.

3 Q. How long have you worked with Dr. Sidor?

4 A. Eleven, twelve years.

5 Q. Are there any other partners in Southwest  
6 Urology?

7 A. Yes.

8 Q. Who are those?

9 A. Barkoukis, Berte, Gervasi, me Basa,  
10 Coseriu.

11 Q. Dr. Sidor saw Mr. Ortman on January 24,  
12 1996, correct?

13 MS. MILLER: Objection.

14 A. Basing on this note.

15 Q. Did you consult with Dr. Sidor at all  
16 about Mr. Ortman's care after the last time you saw  
17 Mr. Ortman in August of 1995?

18 A. No.

19 Q. Did Dr. Sidor take over care of Mr. Ortman  
20 from that point forward?

21 A. I suppose so. He was following him.

22 Q. How is it that Mr. Ortman ended up seeing  
23 Dr. Sidor instead of coming back to you, if you know?

24 A. I don't know.

25 Q. Have you reviewed Dr. Sidor's notes in

1 your review of the chart? -

2 A. Just now.

3 Q. Prior to the deposition?

4 A. Yes.

5 Q. Dr. Sidor sees Mr. Ortman -- correct me if

6 I'm wrong -- after Mr. Ortman presented to the

7 emergency room with severe pain in his right abdomen;

8 is that correct, to your understanding?

9 A. That's right.

10 Q. That was on January 24, 1996?

11 A. That's right.

12 Q. Then Mr. Ortman came to Southwest Urology

13 right after going to the emergency room and had a

14 follow-up appointment with Dr. Sidor?

15 A. Correct.

16 Q. And again, if I'm wrong, correct me, but

17 is it your understanding that Dr. Sidor's exam

18 revealed -- can you tell me what Dr. Sidor's exam

19 revealed?

20 MS. MILLER: Objection. Do

21 you want him to read Dr. Sidor's notes?

22 BY MR. LANDSKRONER:

23 Q. Can you read Dr. Sidor's handwriting?

24 A. A little bit.

25 Q. I'll let you run through that note for me,

1 if you can, on January 24th, as best you can.

2 A. Came in with right flank pain over the  
3 past 24-48 hours. Pain at times aching and stabbing.  
4 Orchiectomy 5-95, testicular cancer. Right flank pain.  
5 CAT scan was ordered and a chest x-ray.

6 Q. What was your understanding of what the  
7 CAT scan revealed?

8 MS. MILLER: Objection.

9 A. I didn't see that.

10 Q. In reviewing the record in your chart, did  
11 you see the findings of the CAT scan that was done on  
12 January 24th?

13 (Thereupon, Plaintiffs' Exhibit 6 to the  
14 deposition of Arturo Basa, M.D. was marked  
15 for purposes of identification.)

16 A. CAT scan or chest x-ray? This is chest  
17 x-ray.

18 Q. Chest x-ray. I'm sorry.

19 (Thereupon, Plaintiffs' Exhibit 7 to the  
20 deposition of Arturo Basa, M.D. was marked  
21 for purposes of identification.)

22 BY MR. LANDSKRONER:

23 Q. Is that the CAT scan marked Exhibit 7?

24 A. CAT scan of the abdomen and pelvis.

25 Q. Dated 1-24-96.

1                   Doctor, did-you have a chance to review  
2 that when you were reviewing the chart?

3                   MS. MILLER:                   Objection.

4                   When, Jack?

5                   MR. LANDSKRONER:               Earlier today.

6                   MS. MILLER:                   Did you look at  
7 these today?

8                   A.       No.

9                   Q.       Why don't you take a look at that for a  
10 moment for me.

11                  A.       Okay.

12                  Q.       Had you seen this prior to looking at it  
13 here today; had you seen this radiology report prior to  
14 today?

15                  A.       No, I didn't review it, I just reviewed it  
16 now.

17                  Q.       Dating back to January of 1996, did you  
18 have a chance to take a look at this report?

19                  MS. MILLER:                   Did he see this  
20 in January of '96, is that your question?

21                  MR. LANDSKRONER:            Yes.

22                  A.       No.

23                  Q.       Have you ever seen this report prior to  
24 our sitting here today?

25                  A.       No.

1 Q. Having reviewed this report now, can you  
2 tell me what your understanding of Mr. Ortman's  
3 condition was on January 24th of '96?

4 A. Impression, can I read that in?

5 Q. Sure.

6 A. "Tumor versus lymphadenopathy in the  
7 paracaval/right paravertebral regions as described  
8 above. Newly developed since the previous scan of  
9 5-23-95 and consistent with metastatic disease. The  
10 additional lymphadenopathy that was identified in the  
11 lower abdomen and right inguinal region on the previous  
12 scan of 5-23-95 is no longer present or evident."

13 Q. In laymen's terms, can you explain that to  
14 me; what's that mean?

15 A. That means to say that these are new  
16 tumor, metastatic disease that just developed and  
17 that's all I can -- the tumor that this identified on  
18 5-23-95 is no longer there.

19 Q. In reviewing the chest x-ray that's been  
20 marked Exhibit 6 --

21 A. CAT scan.

22 Q. CT of the chest marked Exhibit 6, can you  
23 tell me what your interpretation of that means in  
24 laymen's terms?

25 A. "Isolated nodule in the posterior segment

1 of the right lower lobe best seen on image 22, probably  
2 a metastatic lesion."

3 Q. What does that mean?

4 A. Probably lesion spread.

5 Q. So there was some cancer that spread?

6 A. That's what is probably.

7 Q. Again, looking at Dr. Sidor's notes, can  
8 you tell me what was done next in the course of  
9 treatment of Mr. Ortman?

10 A. Which note?

11 Q. After the January 24th consultation.

12 A. January 26?

13 Q. Yes.

14 A. Follow-up examination. New  
15 retroperitoneal lymphadenopathy near the right renal  
16 hilum and a CAT scan of the lungs. Eight millimeter  
17 nodule, right medial aspect right lung, posterior  
18 segment. Then he ordered a bone scan, IVP and CAT  
19 scan, needle aspiration biopsy of right lung. Talk to  
20 pathology. Testicular tumor probably anaplastic type  
21 of seminoma. There may be embryonal cell cancer  
22 present also. They will do special stain.

23 Q. Were you aware at this time that  
24 Mr. Ortman was back in with another cancer?

25 A. No.

1 Q. Dr. Sidor did not contact you about  
2 Mr. Ortman's condition?

3 A. No, not that I can recall.

4 Q. If you could read the February 2nd note  
5 for me, if you can.

6 A. Patient present to -- report from  
7 Cleveland Clinic. Mixed germ cell predominantly  
8 embryonal with focal or local seminoma.

9 (Thereupon, Plaintiffs' Exhibit 8 to the  
10 deposition of Arturo Basa, M.D. was marked  
11 for purposes of identification.)

12 BY MR. LANDSKRONER:

13 Q. Doctor, I show you what's marked Exhibit 8  
14 and ask you if you can identify that one for me? Can  
15 you identify that for me?

16 A. Revised, yes.

17 Q. Revised pathology report?

18 A. Yes, revised diagnosis. "Mixed seminoma  
19 and non-seminomatous germ cell tumor (embryonal  
20 carcinoma).

21 Q. This is the pathology report that was  
22 revised for Mr. Ortman based on the same slides that  
23 were done in May of 1995?

24 MS. MILLER: Objection.

25 A. Correct.

1 Q. Have you seen this report before?

2 A. Yes.

3 Q. When did you see this report?

4 A. When?

5 Q. Yes, when was the first time you saw this  
6 report?

7 A. When they told me I have a suit.

8 Q. Can you tell me, what is your  
9 understanding now of the condition that Mr. Ortman had  
10 back in May of 1995?

11 MS. MILLER: Objection.

12 A. Say again.

13 Q. Can you tell me, having seen that report,  
14 what is your understanding of the condition that  
15 Mr. Ortman had back in May of 1995?

16 A. Well, the condition at that time when I  
17 was seeing him is okay.

18 Q. Having seen this now as we sit here today  
19 and having seen that report after you had notice that  
20 you were being sued, what is your understanding of what  
21 his condition was back then?

22 MR. POLITO: Based on that  
23 report?

24 MR. LANDSKRONER: Based on having  
25 seen the report.



1 MS. MILLER: Based on this  
2 revised report.

3 A. In retrospect?

4 Q. Yes. Knowing what you know now, in  
5 retrospect, what's your understanding of what his  
6 condition was back then?

7 MS. MILLER: Tell me, is  
8 this your question, is this --

9 A. I cannot understand that.

10 Q. In retrospect, knowing what you know now,  
11 what was Mr. Ortman's condition in 1995?

12 MR. POLITO: Objection.

13 Q. In June of 1995.

14 MS. MILLER: Objection.

15 A. Per my understanding, he's okay.

16 Q. You've reviewed the report there and it  
17 says that there is an intratubular germ cell neoplasia  
18 present, correct, and that there was vascular invasion  
19 present, correct?

20 A. Correct.

21 Q. Having seen this report, can you tell me  
22 what Mr. Ortman's condition was back in 1995?

23 A. Based on this?

24 Q. Yes.

25 A. I don't know.

1           Q.     Can you tell me, was germ cell neoplasia  
2 present back in 1995?

3           A.     I don't know.

4           Q.     Having looked at this report?

5           A.     Now I know.

6           Q.     That's what I want to know, I want to know  
7 what you know now.

8           A.     I saw the report, I know now.

9           Q.     So it's your understanding Mr. Ortman had  
10 germ cell neoplasia back in 1995 in May?

11          A.     I don't know that.

12          Q.     You know now?

13          A.     I know now.

14          Q.     And that he had vascular invasion present  
15 back in 1995, you know now?

16          A.     I don't know then.

17          Q.     You know now?

18          A.     I know now.

19          Q.     That he had that condition; is that  
20 correct?

21          A.     That's correct.

22          Q.     Is it your opinion now that Mr. Ortman had  
23 embryonal carcinoma back in 1995?

24          A.     I know now; I don't know then.

25          Q.     But it's true from what you know now that

1 he did have embryonal carcinoma back in 1995?

2 MR. POLITO: Based on this  
3 report, Jack?

4 MR. LANDSKRONER: Based on this  
5 report.

6 A. Based on this report, yes. But then I  
7 don't know.

8 Q. You mentioned you talked to Dr. Tancinco.  
9 When did you talk to Dr. Tancinco?

10 A. I cannot recall then, but a couple of  
11 months ago.

12 Q. After the lawsuit was filed?

13 A. After the lawsuit.

14 Q. What did you talk about?

15 A. I don't recall specifically, but I told  
16 him about the misdiagnosis.

17 Q. Tell me, if you can, in your words what  
18 misdiagnosis.

19 MS. MILLER: Objection.

20 A. Well, the diagnosis that the original  
21 pathology is different from what he reported here,  
22 different.

23 Q. The misdiagnosis related to the review of  
24 the pathology by Dr. Alberhasky?

25 MS. MILLER: Objection.

1 Q. Is that correct?

2 A. That's correct.

3 Q. Can you tell me anything you recall about  
4 that conversation at all?

5 A. No.

6 Q. Have you talked to Dr. Tancinco since?

7 A. No.

8 Q. Do you remember what Dr. Tancinco told you  
9 in response to your discussion with him?

10 A. No.

11 Q. Doctor, can you tell me, based on the  
12 misdiagnosis by Dr. Alberhasky, was the radiation that  
13 Mr. Ortman received the proper treatment?

14 MS. MILLER: Objection as to  
15 form.

16 A. No.

17 Q. What would have been the appropriate  
18 treatment for Mr. Ortman's condition knowing what you  
19 know now?

20 A. Chemo, close follow-up.

21 Q. Can you tell me what injury, if any,  
22 resulted to Mr. Ortman from the failure to have the  
23 chemo in May of 1995?

24 MS. MILLER: Objection.

25 A. I don't know.

1           Q.     Doctor, have you reviewed any reports by  
2 or letters from Dr. Connell?

3           A.     No.

4           Q.     In your file there are some records from  
5 Dr. Connell that have been sent relating to  
6 Mr. Ortman's care. Have you looked at any of those?

7           A.     No.

8           Q.     Did you see those when you were reviewing  
9 the file this morning?

10          A.     Yes, but I didn't read them.

11          Q.     Dr. Connell has indicated -- let me show  
12 you the report here.

13                   (Thereupon, Plaintiffs' Exhibit 9 to the  
14 deposition of Arturo Basa, M.D. was marked  
15 for purposes of identification.)

16 BY MR. LANDSKRONER:

17          Q.     It's tough to read the report. Take a  
18 second and read that report.

19                   Doctor, have you ever seen that report  
20 before?

21          A.     No, just now.

22          Q.     Again, I think I've asked you, you've  
23 never talked to Dr. Connell?

24          A.     No.

25          Q.     Having reviewed that report and taking

1 into consideration your treatment of Mr. Ortman and the  
2 materials that you've seen, can you tell me was there a  
3 delay in the appropriate treatment that Mr. Ortman  
4 received for the cancer that he had?

5 MS. MILLER: Objection.

6 A. No, because seminoma, I treated him for  
7 what I saw.

8 Q. Not from your perspective, but a delay in  
9 the treatment of Mr. Ortman's condition for embryonal  
10 carcinoma?

11 MS. MILLER: Objection.

12 A. What do you mean; what are you implying?

13 Q. Well, was there a delay in terms of --  
14 knowing what you know now, Mr. Ortman had embryonal  
15 carcinoma back in May of 1995, correct?

16 A. Correct.

17 Q. The appropriate treatment for embryonal  
18 carcinoma in May of 1995, knowing what you know now,  
19 would have been chemotherapy?

20 A. Chemotherapy, right.

21 Q. Is it reasonable to say that there was a  
22 delay in the treatment of Mr. Ortman's condition from  
23 May of 1995 until he received the chemotherapy?

24 MS. MILLER: Objection.

25 A. There's a delay, yes. I'm not treating

1 him like that.

2 Q. I'm not talking about your treatment, I'm  
3 just talking about the delay.

4 You understand reasonable medical  
5 probability, has anybody ever explained that to you?

6 A. No.

7 Q. Reasonable medical probability is more  
8 likely than not. So when I ask you this question, can  
9 you tell me more likely than not, was there a delay in  
10 the treatment of Mr. Ortman's cancer, embryonal  
11 carcinoma, from May until January when he received  
12 treatment in 1996?

13 MS. MILLER: Objection.

14 MR. POLITO: Again, that's  
15 based on Tancinco's report?

16 A. Based on what I know now?

17 Q. Right. Can you tell me, was there a  
18 delay?

19 MS. MILLER: Objection.

20 A. A little bit of delay.

21 Q. Six months or so, correct?

22 MS. MILLER: Objection.

23 A. No.

24 Q. How long?

25 A. Well, we gave him treatment, radiation.

1           Q.     I understand you treated the seminoma, but  
2 for treatment for the embryonal carcinoma.

3           A.     We didn't treat him for embryonal.

4           Q.     Right. What I'm trying to get at here is,  
5 within reasonable medical probability, more likely than  
6 not, can you tell me, was there a delay in the  
7 treatment of Mr. Ortman's embryonal carcinoma from May  
8 of 1995 until January 1996 when he was treated with  
9 chemotherapy?

10                   MR. POLITO:                   Objection.

11                   MS. MILLER:                   Objection.

12           A.     There's delay, yes.

13           Q.     Having looked at that report from  
14 Dr. Connell, can you tell me that as a result of that  
15 delay within reasonable medical probability was there  
16 an enlarging of the retroperitoneal lymph nodes on the  
17 right side?

18                   MS. MILLER:                   Objection.

19           A.     Didn't state that.

20           Q.     Doctor, down here, right here, the last  
21 sentence.

22                   MR. POLITO:                   Which  
23 paragraph?

24                   MR. LANDSKRONER:               It is the  
25 second to last paragraph, last sentence.



1 MS. MILLER: What's your  
2 question, Jack?

3 BY MR. LANDSKRONER:

4 Q. My question is, can you tell me within  
5 reasonable medical probability having reviewed this  
6 document and knowing what you know that as a result of  
7 the delay in treating Mr. Ortman was there an enlarging  
8 of the retroperitoneal lymph nodes on the right side?

9 MS. MILLER: Objection.  
10 You're referring him to a section of the  
11 report that says -- go ahead, Doctor, if  
12 you can answer that question.

13 A. I cannot answer it.

14 Q. You cannot answer it based on this report  
15 and based on what you know?

16 A. No.

17 Q. Can you tell me based on what you know and  
18 based on that report and your treatment of  
19 Mr. Ortman, was there an enlargement of the  
20 retroperitoneal mass where the tumor was removed?

21 A. Repeat that again, please.

22 Q. Can you tell me within more probability  
23 than not, based on review of this and knowing what you  
24 know, was there an enlargement of the retroperitoneal  
25 mass where the tumor was removed after radiation as

1 stated by Dr. Connell? -

2 A. There was a CAT scan report in January,  
3 January 24, 1996. That conclusion was that this is a  
4 new tumor versus lymphadenopathy in the paravertebral  
5 region as described above, newly developed since  
6 previous CAT scan of 5-23-95 consistent with metastatic  
7 disease.

8 Q. So there's a new tumor that has developed?

9 A. That's right.

10 Q. Doctor, can you tell me what the risks are  
11 to Mr. Ortman of this cancer, the embryonal carcinoma,  
12 when untreated, with a delay in treatment?

13 MS. MILLER: Objection.

14 A. What do you mean?

15 Q. What are the risks to Mr. Ortman now and  
16 in the future because this tumor was not treated from  
17 May of 1995?

18 MS. MILLER: Objection.

19 A. Six months, probably nothing.

20 Q. So after six months he's at no greater  
21 risk, is that what you're telling me?

22 A. He was treated, he's getting treatment  
23 now.

24 Q. But that delay of six months puts him at  
25 no greater risk than he would have been if it was

1 treated originally? -

2 A. No.

3 MR. POLITO: Just so the  
4 record is clear, when you said no, Doctor,  
5 you meant it's your opinion that this man  
6 has no greater risk due to the six-month  
7 delay?

8 THE WITNESS: No.

9 MR. POLITO: That's correct?

10 THE WITNESS: That's correct.

11 BY MR. LANDSKRONER:

12 Q. Is it also true for a patient with  
13 embryonal carcinoma that has had vascular invasion?

14 A. Well, it's embryonal, it's embryonal  
15 carcinoma, yes.

16 Q. Embryonal carcinoma with vascular invasion  
17 is more serious than the embryonal carcinoma that's  
18 self-contained, correct?

19 A. Yes.

20 Q. Is Mr. Ortman at greater risk because of  
21 the delay in diagnosis in view of the fact that he had  
22 embryonal carcinoma which had vascular invasion?

23 A. I don't know.

24 MS. MILLER: Greater risk of  
25 what?

1           A.     I said I won't-treat him.

2           Q.     So he would not had to have gone through  
3 the radiation if you knew back in May of 1995 that he  
4 had embryonal carcinoma?

5           A.     Correct.

6           Q.     Is the treatment for mixed tumors,  
7 seminomas and non-seminomatous germ cell tumors, the  
8 same as treatment for non-seminomatous germ cell  
9 tumors?

10          A.     Seminomas is different.

11          Q.     But if they are mixed?

12          A.     If they're mixed, treat the more  
13 aggressive tumor, embryonal.

14          Q.     So that would have been chemotherapy?

15          A.     That's right.

16          Q.     Are you familiar with statistics on the  
17 patients with testicular germ cell neoplasm concerning  
18 the histological types of the tumors?

19                   MS. MILLER:                   Objection.

20          A.     No.

21          Q.     Doctor, can you tell me if a patient who  
22 receives radiation and chemotherapy both is more prone  
23 to neutropenia?

24          A.     No.

25          Q.     Can you tell me if a patient who has

1 combined risks of both chemotherapy and radiation is at  
2 greater risk for developing leukemia?

3 A. Maybe.

4 Q. Have you read any literature or statistics  
5 on that?

6 A. Not recently.

7 Q. Can you give me any percentages in terms  
8 of the likelihood or increased risk to that patient?

9 MS. MILLER: Objection.

10 A. No.

11 Q. Doctor, do you become involved at all in  
12 the staging of the tumors?

13 A. Testicular tumor?

14 Q. Yes.

15 A. Yes.

16 Q. When you first saw Mr. Ortman after  
17 performing the orchiectomy, what was your understanding  
18 of what stage his tumor was?

19 A. On my examination?

20 Q. Yes.

21 A. My examination, with blood tests, stage  
22 one.

23 Q. Is there a point in time that you found  
24 out that it was actually a more advanced tumor while  
25 you were still involved with Mr. Ortman's treatment?

1           A.     The CAT scan was done by Dr. Laye.

2           Q.     And is it your understanding that it was  
3     upgraded to a grade two?

4           A.     Two.

5           Q.     And that's the Walter Ried scale?

6           A.     Walter Ried scale.

7           Q.     Is it true that the greater the stage, the  
8     more aggressive the cancer?

9           A.     Yes.

10          Q.     Were any photographs taken during the  
11     surgical procedure of the orchiectomy?

12          A.     No.

13          Q.     Tell me, what information does the  
14     pathology report give you as a surgeon?

15          A.     What's that again?

16          Q.     What information did the pathology report  
17     give you in this case as a surgeon, the pathology  
18     report authored by Dr. Alberhasky?

19          A.     Well, I based it on the diagnosis.

20          Q.     And in this case it gave you the  
21     information that you were dealing with a seminoma?

22          A.     Seminoma.

23          Q.     Did you rely on that report in the  
24     treatment of Mr. Ortman?

25          A.     Yes, because they are expert.

1                   (Thereupon, Plaintiff's Exhibit 10 to the  
2                   deposition of Arturo Basa, M.D. was marked  
3                   for purposes of identification.)

4 BY MR. LANDSKRONER:

5           Q.     Doctor, I'm going to show you what's been  
6 marked Exhibit 10 and ask you if you can identify that  
7 for me?

8           A.     Yes.

9           Q.     What is that?

10          A.     It's my curriculum vitae.

11          Q.     Doctor, have you been involved in any  
12 publications?

13          A.     No.

14          Q.     Do you teach anywhere?

15          A.     Not anymore.

16          Q.     Where did you teach?

17          A.     When I was a resident and fellow.

18          Q.     You did your medical school in?

19          A.     Far Eastern University.

20          Q.     In the Philippines?

21          A.     Philippines.

22          Q.     You graduated in 1963. Did you have to  
23 pass any equivalency exams when you came here to the  
24 United States?

25          A.     That's right.

1 Q. When did you take those?

2 A. 1978.

3 Q. And when did you come to the United  
4 States?

5 A. 1963.

6 Q. How many times did you take those  
7 equivalency exams? Did you have to sit for a language  
8 portion of the exam?

9 A. No language portion, no. The ECFMG.

10 Q. Tell me what that exam consisted of.

11 A. The national board.

12 Q. That was to permit you to practice in the  
13 United States?

14 A. No, it's to come in.

15 Q. And how many times did you have to take  
16 that exam?

17 A. Which one, FLEX?

18 Q. The exam.

19 A. Several exams.

20 Q. Let's talk about the first exam you had to  
21 take when you came to the United States to allow you to  
22 practice medicine here.

23 A. They don't allow us. The ECFMG.

24 Q. Yes, you have to familiarize me with the  
25 exam.



1           A.     They don't have that anymore. We call it  
2 ECFMG. That's for foreign medical graduates, we take  
3 that exam.

4           Q.     Is that a written exam?

5           A.     Written exam.

6           Q.     Is there an oral section to that exam?

7           A.     No.

8           Q.     Did you pass that exam on the first try?

9           A.     No.

10          Q.     How many times did you take that exam?

11          A.     Second time.

12          Q.     What year did you pass it?

13          A.     1964.

14          Q.     Then did you have to take any additional  
15 exams once you were permitted to practice here in the  
16 United States?

17          A.     Yes.

18          Q.     What else did you take?

19          A.     I took the FLEX in Indiana.

20          Q.     What is the FLEX?

21          A.     Federal licensing examination equivalent  
22 to national board, first part, second part.

23          Q.     When did you take that?

24          A.     1978, I think.

25          Q.     Did you pass the first part on the first

1 try?

2 A. Yes.

3 Q. Did you pass the second part on the first  
4 try?

5 A. Yes.

6 Q. I note that you are Board certified.

7 A. Yes.

8 Q. You're also licensed in the State of  
9 California?

10 A. Yes.

11 Q. Do you have licenses in any other states?

12 A. No.

13 Q. Have you ever maintained license in any  
14 other states?

15 A. Just Ohio and California.

16 Q. Are you still presently licensed in  
17 California?

18 A. Yes.

19 Q. Which board for Board certification did  
20 you take?

21 A. The Board of Urology.

22 Q. When did you take that?

23 A. 1976.

24 Q. And can you tell me what that board  
25 consists of?

1 A. It consists of-a written and an oral.

2 Q. And did you pass the written on the first  
3 try?

4 A. First try, yes.

5 Q. Did you pass the oral on the first try?

6 A. Yes.

7 Q. Have you been recertified?

8 A. No. We are grandfathered.

9 Q. Doctor, have you ever been called on to  
10 testify as an expert in any case?

11 A. No.

12 Q. Do you review cases at all for any  
13 insurance carrier?

14 A. With PIE.

15 MS. MILLER: Objection.

16 BY MR. LANDSKRONER:

17 Q. Have you ever been called on to render a  
18 report?

19 A. No.

20 Q. Have you ever given any testimony in  
21 capacity as an expert?

22 A. No.

23 Q. Outside of your involvement with Southwest  
24 Urology, are you involved in any other medical  
25 practices or medical-related institutions?

1 A. No. -

2 Q. Presently where are your hospital  
3 privileges at?

4 A. Southwest, Parma, Deaconess, Fairview,  
5 Lakewood, Medina.

6 Q. Are those all active privileges?

7 A. Southwest and Parma.

8 Q. The others are -- what do they call it?

9 A. Associate.

10 Q. Dr. Basa, are you critical in any way of  
11 anything that Mr. Ortman has done with regard to his  
12 treatment and care?

13 A. No.

14 Q. Are you critical of the treatment and care  
15 of any of the other physicians that were involved in  
16 Mr. Ortman's care?

17 MS. MILLER: Objection.

18 A. No.

19 Q. You mentioned the misdiagnosis earlier by  
20 Dr. Alberhasky. Are you critical of Dr. Alberhasky's  
21 review of the pathology in this case?

22 MS. MILLER: Objection.

23 A. No.

24 Q. Do you think that pathology was correct?

25 MS. MILLER: Objection.

1 MR. POLITO: I'm going to  
2 object because he's not an expert in  
3 pathology.

4 MS. MILLER: He's a  
5 urologist.

6 A. Urologist.

7 Q. Knowing what you know now about the  
8 treatment and care of Mr. Ortman in conjunction with  
9 your care of him, was that pathology correct?

10 MS. MILLER: Objection.

11 A. No. I don't know.

12 Q. And, likewise, you don't know if the  
13 pathology reviewed by Dr. Tancinco was correct either?

14 MS. MILLER: Objection.

15 A. Base on their expertise.

16 Q. So you don't know whether Dr. Tancinco is  
17 right or whether Dr. Alberhasky was right in the  
18 diagnosis of what Mr. Ortman's condition was?

19 A. Whatever they tell me.

20 Q. Knowing what you know now?

21 MS. MILLER: Objection;  
22 asked and answered.

23 A. Same thing.

24 Q. Doctor, have you ever been convicted of a  
25 state or any federal offense?

1 A. No.

2 Q. Have you ever been treated for any alcohol  
3 or substance abuse?

4 A. No.

5 Q. Doctor, have you ever been involved in any  
6 other cases where you were named as a defendant in a  
7 medical negligence action?

8 A. Once.

9 Q. When was that?

10 A. 1995.

11 Q. Can you tell me, do you remember the name  
12 of the case?

13 MS. MILLER: Objection.

14 A. No.

15 MS. MILLER: Put a  
16 continuing objection to this line of  
17 questions.

18 Go ahead and answer.

19 BY MR. LANDSKRONER:

20 Q. You don't remember the name of the case?

21 A. It was an old lady.

22 Q. Can you tell me what the facts were that  
23 caused you to be brought into the case?

24 A. I put the stent in the wrong side. A  
25 stent is a tube.

1 Q. Was there settlement to that case?

2 A. Yes.

3 Q. That case was completely resolved?

4 A. Resolved.

5 Q. It didn't go to trial?

6 A. No.

7 Q. Was your deposition taken in that case?

8 A. I don't remember.

9 Q. Have there been any other cases that  
10 you've been involved in where you were named as a  
11 defendant in a lawsuit?

12 A. That I can't remember.

13 Q. Doctor, do you remember a case that was  
14 brought, you were named as a defendant in 1986, Tackett  
15 versus Southwest General Hospital?

16 A. Yes.

17 Q. Can you tell me what happened in that  
18 case?

19 A. Anesthetic.

20 Q. Were you involved in any settlement?

21 A. No. I don't know.

22 Q. You don't know if you were?

23 A. No, I don't know.

24 Q. You're not aware of whether or not your  
25 lawyers settled the case on your behalf?

1           A.     I didn't know that. I thought the  
2 anesthesiologist took care of it.

3           Q.     Are you familiar with a case, the Wolanski  
4 case in 1995?

5           A.     No.

6           Q.     Where you were named as a defendant?

7           A.     Probably included my name, but I don't  
8 recall.

9           Q.     Have there been other instances where your  
10 name was included in cases that you remember?

11          A.     Not that I have to present deposition.

12          Q.     How many times have you been deposed?

13          A.     This is the second time, I think.

14          Q.     The first one was in the last case that  
15 you referenced where there was a settlement?

16                   MS. MILLER:                   Objection.

17                   MR. LANDSKRONER:               Did he say  
18 that?

19          A.     I don't remember.

20          Q.     Have you been deposed more than five  
21 times?

22                   MS. MILLER:                   Objection.

23          A.     No.

24          Q.     Have you ever been sued that you're aware  
25 of in a case that alleged that you negligently failed



1 to identify and follow up with suspicious laboratory  
2 results?

3 A. No.

4 Q. How about a case where there was an  
5 allegation that you were involved in allowing a  
6 metallic foreign object to stay inside a patient after  
7 a surgeon was completed, have you ever been sued in a  
8 case like that?

9 A. No.

10 MR. LANDSKRONER: Doctor, that's  
11 all I have.

12 MR. POLITO: No questions.

13 MS. MILLER: Doctor, if this  
14 is typed up, you have a right to read it  
15 for any errors. I'm sure since we used  
16 some weird medical terms today, I'd like  
17 you to tell the court reporter that you  
18 will not waive your signature and you  
19 would like to read it if it's typed up.

20 THE WITNESS: I have to read  
21 it.

22 (DEPOSITION CONCLUDED)

23

24

25

  
BASA, M.D. (Date)

1 STATE OF OHIO, ) -

2 COUNTY OF CUYAHOGA. ) SS :  
CERTIFICATE

3 I, LAUREN I. ZIGMONT-MILLER, Registered  
4 Professional Reporter and Notary Public within and for  
5 the State of Ohio, duly commissioned and qualified, do  
6 hereby certify that the within-named witness, ARTURO S.  
7 BASA, M.D., was by me first duly sworn to tell the  
8 truth, the whole truth and nothing but the truth in the  
9 cause aforesaid; that the testimony then given by him  
10 was reduced to stenotypy in the presence of said  
11 witness, and afterwards transcribed by me through the  
12 process of computer-aided transcription, and that the  
13 foregoing is a true and correct transcript of the  
14 testimony so given by him as aforesaid.

15 I do further certify that this deposition was  
16 taken at the time and place in the foregoing caption  
17 specified.

18 I do further certify that I am not a relative,  
19 employee or attorney of either party, or otherwise  
20 interested in the event of this action.

21 IN WITNESS WHEREOF, I have hereunto set my hand  
22 and affixed my seal of office at Cleveland, Ohio, on  
23 this 27th day of June 1997.

24 Lauren I. Zigmont-Miller  
Lauren I. Zigmont-Miller, RPR and Notary  
25 Public within and for the State of Ohio  
My commission expires December 3, 2000.

[illegible]

## DEPARTMENT OF RADIOLOGY

RGP

620589

RUP

PATIENT NO.:

URTMAN

THOMAS S

ROOM:

2168348374

NAME:

10/24/58

TEL. NO.:

00000001

DOB:

F.S.C.:

DOCTOR

BASA, ARTURO S.

DATE OF EXAM:

9-3-95

BASA, ARTURO S.

ACCT#: 0793372

## ULTRASONOGRAMS OF THE TESTES DATED 5-3-95:

The left testis is sonographically normal having a length of 4.62 cm. with an A? dimension of 8.86 cm. with a transverse dimension of 3.04 cm. The echo texture of the left testis is normal. The left epididymis measures 0.67 cm. x 0.83 cm. x 0.85 cm. and is normal. The right testis measures 4.63 cm. x 2.34 cm. x 3.07 cm. In the superior pole of the right testis is a solid poorly encapsulated solid mass measuring 1.88 cm. x 1.35 cm. x 1.39 cm. There is increased vascularity and demonstration of this vascularity with the Doppler flow images within the mass and in the adjacent margins of the right testis. There is also a hyperechoic appearance of the right epididymis which is mildly enlarged measuring 1.10 cm. x 0.97 cm. x 1.08 cm. Along the inferior pole of the right testis there is a 1.74 cm. x 0.61 cm. x 1.03 cm. solid nodule. This could represent a focal thickening or neoplastic involvement of the gubernaculum testis. Also seen in the midlower pole of the right testis is a second smaller hypoechoic region measuring approximately 1 cm. in diameter. The abnormal appearance of the right testis and the adjacent structures may be on the basis of a testicular seminoma or embryonal cell carcinoma. The findings within the right testis and the adjacent structures needs to be considered malignancy until proven otherwise, and further evaluation of the right testis is therefore recommended.


 PLAINTIFF'S  
EXHIBIT  
1

  
 Victor J. Lalak, M.D.


3: Report Dictated: May 03 1995 Trans: May 04 1995 7:50:01 AM

 J.E. LALAK, M.D.  
 V.A. CEICYS, M.D.  
 H. LEE, M.D.  
 S.B. DEVILLE, M.D.  
 W. GEORGE, M.D.  
 L. GROSSMAN, M.D.  
 M.A. KING, M.D.  
 CR COHEN, MD

OPERATIVE REPORT

ORTMAN, THOMAS (36)  
DOB 10-24-58 -M-  
05-10-95/DR. BASA

THE  
SURGERY  
CENTER



19250 EAST BAGLEY ROAD • MIDDLEBURGH, OHIO 44130  
216-826-3240

PRE-OP DIAGNOSIS: Tumor right testicle

POST-OP DIAGNOSIS: Probable tumor right testicle

SURGEON: A. Basa, M.D.

ANESTHESIA: General

PROCEDURE: **EXPLORATION OF RIGHT TESTICLE THROUGH INGUINAL APPROACH  
RIGHT ORCHIECTOMY**

This patient was seen in the office because of some pain and a growth in the right testicle which he noted several weeks ago. This has gotten bigger recently with some pain. Because of this, he was seen in the office and treated initially with antibiotics thinking that it might be epididymitis. He had a testicular ultrasound which revealed a suspicious tumor on the right testicle, possible testicular seminoma. Because of this, he was advised exploration of right testicle and possible orchiectomy. The procedure and risks were explained to the patient and his girlfriend.

**PROCEDURE:** Under successful general anesthesia with endotracheal intubation, the patient was placed in a supine position. The genitalia and lower abdomen were prepped and draped in the usual manner. A right inguinal incision was then done, cut into the skin and subcutaneous tissue until the fascia was in view. Bleeders were clamped and electrofulgurated. The external oblique aponeurosis was cut in the direction of the incision. A Penrose drain was used to isolate the right testicle and a bulldog clamp was applied to the spermatic cord. At this point, the spermatic cord was then isolated as well as the testicle with sharp and blunt dissection. The right testicle and its cord were delivered out through the incision with sharp and blunt dissection.

Bleeders were electrofulgurated as they were encountered. The tunica vaginalis testis was then opened and the testicle was then explored. There was a hard mass at the lower aspect of the right testicle. The tunica albuginea was then opened and it was noted that there was a small, tumor mass involving a small portion of the right testicle. Because of this, the spermatic cord was then further isolated and doubly clamped and doubly suture ligated with 0 silk suture. The incision was then closed. The external oblique aponeurosis was approximated with interrupted suture of 3-0 chromic and the subcutaneous tissue was approximated with interrupted suture of 3-0 plain. The skin was closed with a subcuticular stitch of 4-0 Vicryl. Marcaine local anesthetic was used to infiltrate the incision. About 5cc was infused. The patient tolerated the procedure well and was brought to the Recovery Room in satisfactory condition.

PLAINTIFF'S  
EXHIBIT

A. BASA, M.D.

0001

AB/djh  
:05-10-95  
t:05-11-95



THE  
SURGERY  
CENTER

19250 EAST BAGLEY ROAD • MIDDLEBURG HTS., OHIO 44130 • 216-826-3240

## PATHOLOGY LABORATORY

NAME: ORTMAN, THOMAS PATH No.: SC95-1625  
DATE RECEIVED IN LAB: 5-10-95 at 10:49 a.m. AGE: 36 SEX: M  
PHYSICIAN: Dr. Basa DATE OF BIRTH: 10-24-58  
SPECIMEN: Right testicle  
DATE OF PROCEDURE: 5-10-95  
PRE-OPERATIVE DIAGNOSIS: Tumor right testicle  
POST-OPERATIVE DIAGNOSIS: Pending

**GROSS DESCRIPTION:** Received in Prefer fixative is a testicle, designated the right, which weighs 26.7 grams, and comes with attached epididymis and contents of spermatic cord. The testicle measures 4.5 X 2.8 X 2.5 cm. The tunica albuginea is smooth, glistening, and gray-tan showing two brown sutures sewn around a portion of the tunica and enclosing a portion of light brown apparent testicular tissue measuring up to 1.0 X 0.3 X 0.2 cm. Otherwise, no abnormalities are noted. Cut section of the testicle reveals a slightly bulging and firm, irregular gray-white tumor measuring up to 1.8 X 1.6 cm. in dimension. On cut section, the tumor shows areas of hemorrhage. The tumor does not extend to the tunica, nor does it extend into the epididymis. Other cut sections of the testicle away from then tumor are unremarkable. The edididymis and contents of the spermatic cord are unremarkable.

Representative sections are submitted in six cassettes. Designations #1 is contents of spermatic cord line of resection, #2 is section of tumor and adjacent testicle, #3 through 85 are sections of tumor with tunical margin and #6 is adjacent abnormal appearing testicle and epididymis.

BFT/ef

### MICROSCOPIC DIAGNOSIS:

#### RIGHT TESTES:

—, SEMINOMA —

TUMOR SIZE: 1.8 CM. IN DIAMETER

INTRATUBULAR GERM CELL NEOPLASIA: NOT IDENTIFIED

TUNICA ALBUGINEA: NOT INVOLVED

EPIDIDYMIS, NOT INVOLVED

.SPERMATIC CORD AND MARGINS: NOT INVOLVED



RA/ef  
5-12-95  
88309

R. ALBERHASKY, M.D.

00016



WESTSIDE IMAGING & ONCOLOGY CENTER-

5260 SMITH ROAD, BROOK PARK OHIO 44142

PHONE 216/267-8080

FAX 216/267-0050

RADIATION ONCOLOGY FOLLOW-UP

August 16, 1995

Arturo S. Basa, M.D.

6707 Powers Blvd.

suite #309

Parma, OH 44129

RE: THOMAS ORTMAN

278-60-5506

Dear Dr. Basa:

Mr. Ortman is a 37-year old gentlemen with right testicular seminoma, s/p right inguinal orchidectomy, s/p radiation treatment completed in June, 1995. Today, Mr. Ortman returned for his first follow-up examination.

Upon visiting our clinic on August 16, 1995, Mr. Ortman stated he has no complaint. He is eating well and has no symptoms of nausea, vomiting, fever, or night sweats-

On examination, Mr. Ortman has no palpable supraclavicular or axillary adenopathy. Abdominal exam: soft, no palpable organomegaly was noted and no palpable inguinal adenopathy. His left testicle is soft, normal in size, and palpable nodularity was noted.

**IMPRESSION:** No evidence of disease.

**PLAN:** I advised Mr. Ortman to contact you for a follow-up appointment. I also advised him to contact me next week to let me know when he is going to have an appointment to see you. In the meantime, no scheduled appointment is set up for Mr. Ortman to see me at this time. I also advised him to contact me on a PRN basis.

Thank you very much for referring this patient to me.

With kindest professional regards.

sincerely,

Peter H. Laye, M.D.  
Radiation Oncologist

PML:dp



00009

MAY 15 1995

pt report Laminectomy  
Dissected pt, Treatment  
Injection Rx  
ptc 1 week

JUN 05 1995

pt C ill R/S 6/12

JUN 10 1995

getting radiation Rx.  
Rtc 3 weeks.

AUG 21 1995

pt drop pain no pain some  
burn of in the lower back  
L hip no pain  
abd - soft flat no  
probable mass  
Cough about at 1/2 hr  
and left  
feet  
Rx Laminectomy at 1/2 hr  
ptc 1 yr

PLAINTIFF'S  
EXHIBIT  
5

00003



# DEPARTMENT OF RADIOLOGY

ROP  
ROP

PATIENT NO.: 620589

ROOM:

NAME: ORTMAN THOMAS S

TEL. NO: 2168844290

DOB: 30/24/58

F.S.C.: 00000001

DOCTOR: SIDOR, TIM A.  
SIDOR, TIM A.

DATE OF EXAM: 1-24-96

ACCT#: 3512886

## CT OF THE CHEST:

Axial scans were obtained through the thorax at a scan interval and slice thickness of 10 mm. There is an 8 mm. nodule at the medial aspect of the right lung base probably situated in the posterior segment of the right lower lobe. This nodule is noncalcified and probably represents a metastatic nodule. I see no other nodules within the right lung and I see no nodules on the left. I see no mediastinal or hilar lymphadenopathy and no pleural effusions or infiltrates.

IMPRESSION: Isolated nodule in the posterior segment of the right lower lobe best seen on image 22, probably a metastatic lesion.

PLAINTIFF'S  
EXHIBIT

00027

3: Report dictated: Jan 24 1996 Trans: Jan 25 1996 7:10:47 AM

RADIOLOGIST  
Walter L. George, M.D.

J.E. LALAK, M.D.  
V.A. CEICYS, M.D.  
H. LEE, M.D.  
S.B. DEVILLE, M.D.  
W. GEORGE, M.D.  
L. GROSSMAN, M.D.  
M.A. KING, M.D.

# DEPARTMENT OF RADIOLOGY

PATIENT NO.:	620589	ROOM:	ROP
NAME:	ORTMAN THOMAS S	TEL NO.:	2168844290
DOB:	10/24/58	F.S.C.:	00000001
DOCTOR:	SIDOR, TIM A. SIDOR, TIM A.	DATE OF EXAM:	01-24-96
		ACCT#:	3512886

## CT SCAN OF THE ABDOMEN AND PELVIS:

axial scans were obtained through the abdomen and pelvis at a scan interval and slice thickness of 10 mm. Scans #18-#20 show a 2.5 cm soft tissue mass in the paracaval region, bordered laterally by the pelvocalyseal system of the right kidney, anteriorly by the inferior vena cava, medially by the aorta, and posteriorly by the psoas muscle. In scans #20-22 this mass flattens out and becomes more plaque like as it extends along the surface of the lumbar spine and right psoas muscle for a distance of several centimeters. There are several additional smaller soft tissue densities noted in the same area and in scans #17 and #18 there is a lobulated soft tissue density which is in part composed of the right renal vein and bowel loops in this area but I suspect that there may be additional bulky adenopathy in this area as well. All of these findings are newly developed since the previous scan of 5-23-95. I see no evidence of ascites. Lymphadenopathy that was evident in the right inguinal region and at the level of the aortic bifurcation on the previous scan is now gone. The liver, gallbladder, pancreas, spleen, adrenal glands, and kidneys are otherwise unremarkable and there is no hydronephrosis. The mesentery and visualized small bones appear unremarkable. Scans continuing into the pelvis show a midline urinary bladder.

IMPRESSION: 1. Tumor versus lymphadenopathy in the paracaval/right paravertebral regions as described above. Newly developed since the previous scan of 5-23-95 and consistent with metastatic disease. The additional lymphadenopathy that was identified in the lower abdomen and right inguinal region on the previous scan of 5-23-95 is no longer present or evident.

PLAINTIFF'S  
EXHIBIT  
7

3

00010

Report Dictated: Jan 24 1996 Trans: Jan 24 1996 6:54:39 PM  
 RADIOLOGIST: Walter L. George, M.D.  
 J.E. LALAK, M.D.  
 W.A. CEICYS, M.D.  
 H. LEE, M.D.  
 S.B. DEVILLE, M.D.  
 W. GEORGE, M.D.  
 L. GROSSMAN, M.D.  
 M.A. KING, M.D.

REVISED REPORT



THE  
SURGERY  
CENTER

19250 EAST BAGLEY ROAD • MIDDLEBURG HTS., OHIO 44130 • 216-826-3240

PATHOLOGY LABORATORY

NAME: ORTMAN, THOMAS  
DATE RECEIVED IN LAB: 5-10-95 at 10:49 a.m.  
PHYSICIAN: Dr. Basa  
SPECIMEN: Right testicle  
DATE OF PROCEDURE: 5-10-95  
PRE-OPERATIVE DIAGNOSIS: Tumor right testicle  
POST-OPERATIVE DIAGNOSIS: Pending

PATH No.: SC95-1625  
AGE: 36 SEX: M  
DATE OF BIRTH: 10-24-58

REVISED DIAGNOSIS:

**RIGHT TESTIS:**

MIXED SEMINOMA AND NON-SEMINOMATOUS GERM CELL TUMOR (EMBRYONAL CARCINOMA)

**TUMOR SIZE: 1.8 CM.**

INTRATUBULAR GERM CELL NEOPLASIA: PRESENT  
VASCULAR INVASION: PRESENT  
TUNICA ALBUGINEA: NEGATIVE FOR TUMOR  
EPIDIDYMIS: NEGATIVE FOR TUMOR  
SPERMATIC CORD AND MARGINS: NEGATIVE FOR TUMOR

it  
~~HISTOSCOPIC DESCRIPTION:~~ This is a difficult lesion to classify; however, it appears that there are two distinct components to this tumor. There appears to be a minor component of seminoma admixed with larger areas of anaplastic tumor showing solid nests of tumor cells containing central necrosis and numerous mitoses, some of which are atypical. The nuclei are highly pleomorphic with prominent nucleoli. These areas are reminiscent of embryonal carcinoma or seminoma with carcinomatous transformation. Intratubular neoplasia is also identified, probably intratubular embryonal carcinoma.

Immunoperoxidase stains show focal immunoreactivity for AE1/AE3 within the highly anaplastic areas. This positivity is typically seen in non-seminomatous germ cell tumors such as embryonal carcinoma, whereas seminomas are usually negative.

COMMENT: This case is being forwarded to H. S. Levin, M.D. for consultation with a supplementary report to follow.

BFT/ef  
1-31-96



B. F. TANCINCO, M.D.

0002<sup>9</sup>

# University Hospitals of Cleveland

PATIENT NAME: ORTMAN, THOMAS  
HOSPITAL NO: 1840928  
ADMITTED: 02/09/96  
DISCHARGED: 02/13/96  
PHYSICIAN: CINDY CONNELL, M.D.  
CC: CINDY CONNELL, M.D.

PLAINTIFF'S  
EXHIBIT  
7

**CHIEF COMPLAINT & HISTORY OF PRESENT ILLNESS:** Admission diagnosis was metastatic germ cell malignancy. This patient is a 34-year-old gentleman who was diagnosed in May of 1995 with a seminoma of the right testis. A CT taken at that time revealed retroperitoneal lymphadenopathy on the right. He was treated with abdominal radiation therapy by Dr. Peter Baye at West Side Imaging. The patient was last seen by Dr. Baye and Dr. Basa in the fall of 1995. At that time, he was doing well. He was discharged from follow-up with Dr. Peter Baye and was instructed to continue to follow with Dr. Basa. The patient did well until December of 1995, when he developed progressive back pain. He was seen by Dr. Sidor, who was covering for Dr. Basa, and was evaluated a few weeks ago. Because of the back pain, a CAT scan was obtained and this showed extensive retroperitoneal lymphadenopathy abutting the psoas muscle. There was a small chest lesion on a CAT scan. There was no hydronephrosis. Dr. Sidor was apparently surprised at the recurrence of a seminoma in this area, and asked that the pathology be reviewed at Southwest General Hospital. The second review of the pathology apparently revealed a mixed germ cell tumor. The pathology was then apparently reviewed at The Cleveland Clinic Foundation and was interpreted as predominantly an embryonal cell carcinoma with focal areas of seminoma. The patient presented to the Ireland Cancer Center on February 6, 1996, for a second opinion concerning his care, and stating that he wished to transfer to this institution for care. Due to his level of pain and the fact that a germ cell tumor is an aggressive malignancy, he was admitted from the Ireland Cancer Center to the Tower Six Service so that chemotherapy could be administered quickly, if it was indicated. Unfortunately, the pathology slides were not available, since they were enroute from The Cleveland Clinic back to Southwest General Hospital. We did obtain three tissue blocks which did not contain tumor, but rather contained sections of normal testis. Finally, today the patient's wife located the slides and tissue blocks sent to The Cleveland Clinic Foundation and brought them to me at the Ireland Cancer Center. I have reviewed the pathology with Dr. Fadi Abdul-Kareem, Director of Surgical Pathology. Dr. Abdul-Kareem interpreted the slides as embryonal cell carcinoma with areas of seminoma. Areas of the tumor are positive for cytokeratin, which is not consistent with a pure seminoma. I reviewed the patient's films with Dr. Dean Nakamoto from radiology. These films were dated January 24, 1996, from Southwest General Hospital. They confirm the enlarging retroperitoneal lymph nodes on the right side.

The impression is this is an otherwise healthy, 34-year old gentleman with progressive mixed germ cell tumor, with severe back pain. There is no indication of hydronephrosis. His serum has always been negative for alpha fetoprotein and beta HCG. I discussed the options extensively with this patient prior to the institution of chemotherapy. Given the fact that he has an embryonal cell carcinoma and not a pure seminoma, chemotherapy would have been the appropriate intervention for a retroperitoneal mass back in May of 1995. Given the obvious enlargement of that mass after abdominal radiation therapy, there is little doubt that the mass contains non-seminomatous germ cell tumor. Although a biopsy of this mass could be performed, given the progressive disease on the CAT scan, even a negative biopsy would not, in my mind, suggest that this is not a malignant process. Rather than put the patient through a biopsy of the lymphadenopathy, I offered chemotherapy for progressive mixed germ cell malignancy. There is no teratoma seen on the

CONTINUED:



# University Hospitals of Cleveland

PATIENT NAME: ORTMAN, THOMAS  
HOSPITAL NO: 1840928  
ADMITTED: 02/09/96  
DISCHARGED: 02/13/96  
PHYSICIAN: CINDY CONNELL, M.D.

PAGE 2

original tumor specimens, and it is extremely unlikely that the lymph nodes will contain something other than metastatic germ cell tumor. The patient understands the risks and toxicity associated with the chemotherapy regimen containing Bleomycin, Etoposide, and Cisplatin, and wishes to proceed with chemotherapy. He has completed his family and is not interested in sperm banking.

The patient received Bleomycin, a test dose of 1 unit, followed by 30 units IV times one on day one, Cisplatin 20 mg. per meter squared for a total dose of 40 mg. daily for five days, and Etoposide 100 mg. per meter squared for a total dose of 200 mg. daily for five days. The patient tolerated the chemotherapy well. He had minimal nausea. His creatinine rose to a maximum of 1.4. He completed the therapy without incident on February 13, 1996, and was discharged to home in good condition.

Follow-up: The patient will present on day number eight to the Ireland Cancer Center for Bleomycin. Medications on discharge are Zofran 8 mg. PO t.i.d. PRN nausea.

FINAL DIAGNOSIS: METASTATIC GERM CELL TUMOR

PHYSICIAN SIGNATURE

CINDY CONNELL, M.D.

CC/MRC 30/3735

D: 03/01/96

T: 03/04/96

Document # 104470

00002

CURRICULUM VITAE

ARTURO S. BASA, M.D.

PERSONAL HISTORY

Date of Birth: February 4, 1938

Place of Birth: Philippines

Home Address: 11620 Rivermoss  
Strongsville, Ohio 44136  
(216) 238-5685

Office Address: 6707 Powers Blvd.  
Suite 309  
Parma, Ohio 44129  
(216) 845-0900

7255 Old Oak Blvd.  
Middleburg Heights, Ohio 44130  
(216) 891-5482

Spouse: Africa

Children: Adelbert  
Aielyn  
Anjenette  
Alfred

EDUCATION

1954 - 1958 University of Philippines - B.S.  
Philippines

1958 - 1963 Far Eastern University - M.D.  
Manila, Philippines

PROFESSIONAL TRAINING

1963 - 1965 General Surgery, Lutheran Hospital,  
Cleveland, Ohio

1965 - 1968 Urology, Huron Road Hospital  
Cleveland, Ohio

