

<p>1 IN THE COURT OF COMMON PLEAS 2 OF CUYAHOGA COUNTY, OHIO 3 4 Rimma Bezyakin, Executrix, 5 et al., 6 Plaintiffs, 7 v. Case No. 280232 8 Ami Aszodi, M.D., et al., 9 Defendants. 10 11 VIDEOTAPED DEPOSITION OF RICHARD BASSIN, M.D. 12 13 Taken at Clark, Perdue, 14 Roberts &amp; Scott 15 471 East Broad Street 16 Suite 1400 17 Columbus, Ohio 18 October 28, 1995 19 10:15 a.m. 20 21 SPECTRUM REPORTING II, INC. 22 135 W. Main Street, Suite 101 23 Columbus, Ohio 43215 24</p>	<p>Page 1</p> <p>1 RICHARD BASSIN, M.D., 2 being by me first duly sworn, as hereinafter 3 certified, testifies and says as follows: 4 5 MR. BECKER: Let the record reflect that 6 this is the evidentiary deposition of Dr. Richard 7 Bassin on direct examination on behalf of the Estate 8 of Roman Vayl. The record should further reflect 9 that this deposition is being taken by videotape and 10 stenographic means. 11 Before we begin, may we gain a 12 stipulation from defense counsel that this 13 evidentiary deposition is being taken pursuant to 14 appropriate notice? 15 MS. REINKER: Correct. 16 MR. DAPORE: Correct. 17 MR. GROEDEL: Yes. 18 MR. BECKER: And may we have a further 19 stipulation that the filing requirements of this 20 videotape and stenographic deposition are waived? 21 MS. REINKER: Okay. 22 MR. DAPORE: Yes. 23 MR. GROEDEL: Sure. 24</p>
<p>Page 2</p> <p>1 A P P E A R A N C E S 2 REPRESENTING THE PLAINTIFFS: 3 Mr. Michael F. Becker 4 Becker &amp; Mishkind Co., L.P.A. 5 Becker Haynes Building 6 134 Middle Avenue 7 Blyria, OH 44035 8 and 9 Mr. Nicholas J. Schepis 10 6028 Mayfield Road, Suite 4 11 Cleveland, OH 44124 12 13 REPRESENTING THE DEFENDANT AMI ASZODI, M.D.: 14 Ms. Susan Reinker 15 Jacobson, Maynard, Tuschman &amp; Kalur 16 Co., L.P.A. 17 1001 Lakeside Avenue, Suite 1600 18 Cleveland, OH 44114-1192 19 20 REPRESENTING THE DEFENDANT JEFFREY PONGSKY, M.D.: 21 Mr. Anthony B. Dapore 22 Jacobson, Maynard, Tuschman &amp; Kalur 23 Co., L.P.A. 24 1001 Lakeside Avenue, Suite 1600 Cleveland, OH 44114-1192 25 26 REPRESENTING THE DEFENDANTS MOUNT SINAI MEDICAL 27 CENTER, DR. MEYER TENENHAUS, AND DR. CARL JACKSON: 28 Mr. Marc W. Groedel 29 113 St. Clair Building, 7th floor 30 Cleveland, OH 44114 31 32 ALSO PRESENT: 33 Stephen Gibson 34 Renee Schepis 35</p>	<p>Page 4</p> <p>1 RICHARD BASSIN, M.D., 2 being by me first duly sworn, as hereinafter 3 certified, testifies and says as follows: 4 5 MR. BECKER: Let the record reflect that 6 this is the evidentiary deposition of Dr. Richard 7 Bassin on direct examination on behalf of the Estate 8 of Roman Vayl. The record should further reflect 9 that this deposition is being taken by videotape and 10 stenographic means. 11 Before we begin, may we gain a 12 stipulation from defense counsel that this 13 evidentiary deposition is being taken pursuant to 14 appropriate notice? 15 MS. REINKER: Correct. 16 MR. DAPORE: Correct. 17 MR. GROEDEL: Yes. 18 MR. BECKER: And may we have a further 19 stipulation that the filing requirements of this 20 videotape and stenographic deposition are waived? 21 MS. REINKER: Okay. 22 MR. DAPORE: Yes. 23 MR. GROEDEL: Sure. 24</p> <p>Page 5</p> <p>1 DIRECT EXAMINATION 2 BY MR. BECKER: 3 Q. Good morning, Doctor. 4 A. Good morning. 5 Q. Would you tell us, please, your full 6 name. 7 A. Richard Bassin. 8 Q. And what is your business address? 9 A. 112-47 Queens Boulevard, Forest Hills, 10 New York. 11 Q. And what is your occupation, sir? 12 A. I'm a physician and a general surgeon. 13 Q. Doctor, I'm going to ask you some 14 questions about your background and training. Would 15 you -- would you prefer just to answer in general, 16 give us a sketch of your medical history, your 17 education? 18 A. That's fine. I could do that. 19 Q. All right. Would you do that for us. 20 A. Yes. I attended Michigan State 21 University from 1960 to 1963 and then Tulane Medical 22 School in -- from 1963 to 1967 where I received an 23 M.D. degree. Following that, I became an intern at 24 the Mount Sinai Hospital in the field of general</p>
<p>Page 3</p> <p>1 Saturday Morning Session 2 October 28, 1995 3 10:15 a.m. 4 5 S T I P U L A T I O N S 6 7 It is stipulated by and among counsel for 8 the respective parties that the videotaped 9 deposition of RICHARD BASSIN, M.D., a witness 10 herein, called by the Plaintiffs for direct 11 examination under the statute, may be taken at this 12 time by the Notary pursuant to notice and 13 stipulations of counsel; that said deposition may be 14 reduced to writing in stenotypy by the Notary, whose 15 notes may thereafter be transcribed out of the 16 presence of the witness; that proof of the official 17 character and qualification of the Notary is waived; 18 that the signature of the witness to the transcript 19 of said deposition is expressly waived by counsel 20 and the witness; said deposition to have the same 21 force and effect as though signed by the said 22 RICHARD BASSIN, M.D. 23 24</p>	<p>Page 6</p> <p>1 surgery in New York City. I did one year of 2 internship at Mount Sinai Hospital, and then I did 3 one year of residency at Mount Sinai Hospital where 4 I spent half of my time at Mount Sinai and the other 5 half at Mount Sinai Hospital's city teaching 6 hospital, which is called Elmhurst Hospital. 7 Q. What is a residency and what was that 8 residency in? 9 A. Well, the residency was in the field of 10 general surgery. As a resident, I supervised 11 interns and taught them various things about 12 surgery. I did preoperative evaluations, did 13 surgery, smaller types of surgery, and then did 14 postoperative management. 15 Q. Okay. Continue with your background for 16 us. 17 A. Following my first year of residency, I 18 received a grant from the federal government from 19 the National Institute of Health and did research 20 for two years in the field of shock and trauma. I 21 studied patients who were involved in accidents or 22 injuries or people who had bleeding and wrote 23 several articles on that subject. 24 After completing my two-year fellowship,</p>

Page 7

1 I continued my residency at Mount Sinai Hospital. I  
2 did a third year of residency, a fourth year of  
3 residency, and then I was chief resident at  
4 Mount Sinai Hospital and also at Elmhurst Hospital.  
5 And in that position, I was in charge of the  
6 teaching program for interns, residents, and medical  
7 students in the field of general surgery.

8 After completing my residency at Mount  
9 Sinai, I became the director of the emergency unit  
10 at Elmhurst Hospital, and there I was in charge of  
11 the entire teaching program for interns, residents,  
12 medical students, physicians' assistant students,  
13 and nurses. I taught them emergency care and  
14 surgical care in the emergency room. And during  
15 that period of time, I was also a professor of  
16 surgery at Touro College in New York City where I  
17 taught a course in emergency medicine and surgery.

18 While I was a director of the emergency  
19 unit, I also became board certified in general  
20 surgery. I took a written examination at Columbia  
21 University, which I successfully passed and then,  
22 one year later, an oral examination in Boston and  
23 successfully passed that. And I became board  
24 certified and am a diplomate of the American Board

Page 8

1 of Surgery.

2 Also, during that period of time, while I  
3 was a director of the emergency room, I became the  
4 editor of a journal called Hospital Physician and  
5 another journal called Physician Assistant or Health  
6 Practitioner journal where I reviewed the articles  
7 that were submitted by various physicians and  
8 determined whether they should be published or not.

9 After that, I left Elmhurst Hospital in  
10 the mid '70s and entered the private practice of  
11 general surgery which I've been in since the mid  
12 '70s.

13 Q. Okay. Before we get into the details of  
14 your private clinical practice, Doctor, you're  
15 licensed to practice medicine in the state of  
16 New York?

17 A. Yes, I am.

18 Q. Any other states, sir?

19 A. I have been licensed in New Jersey and  
20 Louisiana, but I've never practiced in those  
21 states. So those licenses, I assume, have lapsed.

22 Q. Would you tell us how your clinical  
23 practice since the mid '70s has evolved in surgery.

24 A. Yes. When I entered the private practice

Page 9

1 of surgery in the mid '70s, I was asked to --  
2 initially to become the director of their emergency  
3 room at Physicians Hospital, and I ran that  
4 emergency room for them and admitted the surgical  
5 patients and operated on them. I also became the  
6 associate director of surgery at a hospital called  
7 Hillcrest Hospital where I was in charge of the  
8 teaching program there. That hospital then was  
9 purchased by the New York Osteopathic Hospital and  
10 Medical School, and I was in charge of the teaching  
11 program for interns and residents and medical  
12 students in the field of general surgery.

13 I had a very active practice. I probably  
14 did between four to six hundred operations per year  
15 with my partner who was also a general surgeon. So  
16 I had -- I operated when I first started every day,  
17 did -- saw patients in the morning on rounds, and  
18 had office hours in the afternoon.

19 I continued in that practice until about  
20 two and a half years ago when my partner retired. I  
21 continue to run that practice, except now it's just  
22 one person. I operate almost every day. I have  
23 office hours three days a week. I have two  
24 offices. I see patients at my Queens address, and I

Page 10

1 also have another office in Brooklyn where I'm the  
2 medical director of a very active walk-in center and  
3 a surgical consultant. So I see surgery patients  
4 there. And I typically do various types of surgery,  
5 which would include hernias, gallbladder surgery,  
6 colon surgery, stomach surgery, surgery on the  
7 thyroid, and surgery on the breast. And that makes  
8 up most of my surgical --

9 Q. Doctor, can you point to one specific  
10 type of surgery that you do most often, or is it  
11 generally mixed?

12 A. The most common surgeries that I do are  
13 hernia operations and gallbladder operations.

14 Q. And speaking of gallbladder operations,  
15 Doctor, could you estimate for us, excluding at this  
16 point your residency, approximately how many  
17 gallbladder surgeries you have performed during your  
18 career.

19 A. Well, in almost 20 years in practice, I  
20 probably have performed four or five hundred  
21 gallbladder operations excluding my -- my  
22 residency.

23 Q. Okay. Doctor, do you spend at least 50  
24 percent of your professional time in the clinical

Page 11

1 practice of medicine or teaching?

2 A. Yes.

3 Q. And, Doctor, have you authored or  
4 co-authored any medical journal articles?

5 A. Yes. I've -- I've written 19 articles,  
6 including a chapter in a book, on various subjects.

7 Q. And are those the kind of articles that  
8 are read by other physicians to assist them in  
9 upgrading their clinical skills?

10 A. Yes.

11 Q. Now, you mentioned earlier that you have  
12 served as an editor or medical editor for a journal  
13 publication. Would you just kind of tell us a  
14 little bit more about that, what that entails.

15 A. Yes. Well, one of the journals was  
16 called Hospital Physician magazine, and that goes to  
17 every resident and intern in the United States. And  
18 I used to -- I was considered a contributing editor  
19 to that journal. I contributed articles, and I also  
20 reviewed other articles in the field of surgery to  
21 check on their accuracy and to determine whether  
22 they should be published or not. I also ran a  
23 series of -- I did a quiz section where I would put  
24 in x-rays every month and then give the answer to

Page 12

1 what the x-rays showed, in other words, show  
2 interesting cases.

3 And then the other journal, which is  
4 called Health Practitioner/Physician Assistant, that  
5 goes to all physicians' assistants in the  
6 United States. I was the medical editor. So I was  
7 in charge of all the medical content of that  
8 journal. And I held those positions for several  
9 years at -- at those journals.

10 Q. All right, Doctor. I want to move now to  
11 the -- to the subject matter of medicolegal -- your  
12 medicolegal experience, specifically acting as an  
13 expert in medical negligence cases.

14 You have had previous experience in this  
15 area; is that correct?

16 A. Yes, for many years.

17 Q. Would you tell me -- tell us  
18 approximately how many years you've been doing  
19 medicolegal work.

20 A. Yes. When I was a director of the  
21 emergency unit at Elmhurst Hospital, one of my  
22 responsibilities was to meet on a monthly basis with  
23 the attorneys for the hospital. They were called  
24 the corporate counsel for the City of New York. And

Page 13

1 I would meet with several of those attorneys, and we  
2 would present all the cases that they had where they  
3 suspected that something was done wrong in -- in the  
4 emergency room. And I would meet with them and give  
5 them my opinion. If I felt we had done something  
6 wrong, I would suggest that they settle the case.  
7 And if I felt that we hadn't done anything wrong and  
8 explained it to them, I would say that I would be  
9 willing to testify or they could find an expert who  
10 would be willing to testify. And I did that  
11 regularly with the corporate counsel, and sometimes  
12 more than once a month, I would meet with them.  
13 After I left that position, those same  
14 attorneys hired me as a consultant then and paid me  
15 on an hourly basis to review cases. And the first  
16 time I testified in court was for the City of  
17 New York probably in the mid '70s. After I  
18 testified at that time, the attorney who I testified  
19 against hired me. So he was a plaintiff's attorney,  
20 and I worked for him.  
21 So over the years, I have testified and  
22 reviewed cases for plaintiffs' attorneys, defense  
23 attorneys, hospitals in New York that are  
24 self-insured. That is, they don't have an insurance

Page 14

1 company. And I have worked for many insurance  
2 companies in New York. And I'm now the in-house  
3 surgical reviewer for a major insurance company in  
4 New York called PRI, which is called Physicians  
5 Reciprocal Insurer. I go to the insurance company,  
6 meet with them, and give them my opinion as to  
7 whether anything's wrong, and they also send me  
8 cases. They have branches around New York State and  
9 send me cases to review on a regular basis.  
10 MS. REINKER: Move to strike.  
11 MR. DAPORE: Objection. Move to strike  
12 all references to insurance.  
13 Q. Doctor, in the last three or four years,  
14 can you give us an estimate as to the percentage of  
15 -- of cases, the breakdown of cases, between those  
16 you have reviewed on behalf of the medical provider  
17 and those on behalf of the patient?  
18 A. I would say at present it's about  
19 60 percent for the hospitals or for defense and  
20 40 percent for patients.  
21 Q. And, Doctor, to your knowledge, is this  
22 the first time that you have ever acted as an expert  
23 or reviewed a case on -- on behalf of my office?  
24 A. That is correct. This -- this is the

Page 15

1 only time.  
2 Q. Doctor, before we address the quality of  
3 care that Mr. Vayl received and how that played a  
4 part in his death, I want to ask you about some  
5 terms and concepts that will be used, I'm sure,  
6 throughout your testimony here today as well as  
7 throughout the trial. And what I'm going to ask you  
8 to do is explain these terms and concepts, and I  
9 want you to feel free to utilize any exhibits that  
10 you have at hand or feel free to diagram things that  
11 you have, if you so desire, at the board behind  
12 you.  
13 And in case I forget to ask you, Doctor,  
14 throughout the balance of this deposition, I am  
15 seeking your opinion within a reasonable degree of  
16 medical probability. Okay?  
17 A. Okay.  
18 Q. Do you understand that?  
19 A. Yes.  
20 Q. Okay.  
21 MS. REINKER: Objection. May we have a  
22 continuing objection to any references to the  
23 decedent's death?  
24 MR. BECKER: Yes.

Page 16

1 MR. GROEDEL: Join in that objection.  
2 Q. Let's talk a little bit, Doctor, about  
3 what is the biliary system. And after you, in  
4 essence, describe that, then I'd like you, Doctor,  
5 to kind of depict for the ladies and gentlemen of  
6 the jury the anatomy and the function of the biliary  
7 system.  
8 A. I think I'd like to go draw that. It  
9 would make it easier --  
10 Q. Okay.  
11 A. -- if that's okay.  
12 Okay. This is the liver, and the liver  
13 produces bile. And bile is a -- is a thick,  
14 brownish substance which is used to digest fats. It  
15 basically is what's called -- it emulsifies fats.  
16 It allows us to digest. And what happens is the  
17 liver produces the bile, and it goes -- it -- it  
18 gets excreted into the ducts. And there are two  
19 major ducts.  
20 I'll label this. This is the right  
21 hepatic duct, and this is the left hepatic duct.  
22 They flow down into what's called the common hepatic  
23 duct, and then it flows into what's called the  
24 common bile duct. Now, what happens is is a

Page 17

1 sphincter, or something that closes off here -- it's  
2 called a sphincter of Oddi -- it closes off and  
3 becomes tight, and the bile then backs up into the  
4 gallbladder. This is the gallbladder, and this is  
5 the cystic duct. So that when we eat a fatty meal,  
6 this sphincter closes off, the gallbladder fills up;  
7 and then as we eat, the gallbladder then contracts  
8 or squeezes down and forces the bile down this path  
9 into the intestine, and we're able to emulsify fat.  
10 As a matter of fact, that's what makes our stool  
11 brown. If we didn't have bile, our stool would be  
12 white. So that's how you know that bile is being  
13 produced. So that's the anatomy, and this is how  
14 the tract works. Now, in disease states --  
15 Q. Well, let me just stop you for a second.  
16 A. Yes.  
17 Q. How does the bile get up to the  
18 gallbladder?  
19 A. When this sphincter closes off, bile  
20 keeps being produced. So it backs right up and goes  
21 right into the gallbladder.  
22 Q. So the gallbladder is kind of like a  
23 reservoir?  
24 A. The gallbladder is essentially a sac.

Page 18

1 It's about the size of a pear or so, and it's in  
2 this shape. And it's a sac, which is a reservoir  
3 for bile.  
4 Q. Okay. Go ahead.  
5 A. Right now I haven't even; but if I ate  
6 something right now, my gallbladder would contract,  
7 squeeze the bile down, and come down in here.  
8 Q. Okay.  
9 A. Now, what happens is that we form -- or  
10 many people form gallstones, and they're in the  
11 gallbladder. Now, gallstones come in various  
12 sizes. When we contract our gallbladder, if a  
13 gallstone becomes stuck right here at the junction  
14 of the gallbladder and the cystic duct and it can't  
15 get through because it's too big, the gallbladder  
16 continues to try to push the stone through. It  
17 contracts and contracts.  
18 It's the same concept as when you have to  
19 move your bowels. You feel these cramps. But when  
20 you have to move your bowels, you do move your  
21 bowels and you feel better. But with a gallbladder,  
22 the gallbladder keeps contracting and the stone  
23 doesn't pass, that's the pain that you get right up  
24 here in your right upper quadrant because that's

Page 19

1 where the gallbladder is.

2 Now, so that explains what happens.

3 That's why you get pain with stones in your

4 gallbladder. And then because the gallbladder has

5 this bile, the bile becomes contaminated, infected,

6 because it's just sitting there. The body does not

7 work well if things stay in one place and can't

8 drain. And you get inflammation of the gallbladder,

9 and that's called cholecystitis. It's a Greek word,

10 "chole" meaning gallbladder, and "cystitis" means

11 inflammation of the gallbladder. So you get an

12 infection in the gallbladder. So that's what

13 happens in gallbladder disease.

14 Now, the other thing that we're going to

15 discuss today is if a problem develops in these

16 ducts, you can get what's called a stricture, and a

17 stricture is merely a narrowing of the duct. Let me

18 just redraw the anatomy over here.

19 If the duct gets narrowed -- let's say

20 that the duct is narrowed and closed off. That's

21 called a stricture, or a narrowing of the duct.

22 When that happens and bile cannot pass as well

23 through this opening, bile will build up under

24 pressure, because, now, instead of having a big

Page 20

1 opening where you can go through, you have a tiny  
2 opening. And, therefore, there's a high pressure  
3 here.

4 The bile will back up into the liver and  
5 it becomes infected, and that's called cholangitis,  
6 or inflammation of the duct. And it's called  
7 ascending cholangitis because it ascends up into our  
8 liver up in here. And ascending cholangitis is a  
9 very serious condition and can become potentially  
10 lethal if not treated because it will destroy the  
11 liver.

12 Q. Okay.

13 A. So that a stricture, a narrowing of the  
14 duct, can lead to ascending cholangitis, which can  
15 then cause destruction of the liver.

16 Q. Let's talk a little bit about the  
17 vascular supply in this region, Doctor. And feel  
18 free to use that illustration that I think is  
19 attached to that board.

20 A. Yes. Okay.

21 Q. And --

22 A. Let me talk about -- in general about  
23 vascular supplies. There are two types of vessels  
24 that function in our body. There are arteries,

Page 21

1 which bring blood that is oxygenated to tissues, and  
2 then there are veins, which bring blood back to the  
3 heart.

4 THE WITNESS: Can you see this?

5 THE VIDEOTECHNICIAN: Yeah.

6 A. This is a drawing that was provided that  
7 was done by Dr. Netter, who's a famous artist, who  
8 as a matter of fact worked at Mount Sinai Hospital.

9 Q. While I'm checking the view on that,  
10 Doctor, is that diagram by Dr. Netter -- is that  
11 anatomically accurate?

12 A. Oh, yes. He's considered one of the best  
13 anatomical drawers in the world.

14 Again, here is the anatomy that I drew,  
15 and obviously, Dr. Netter's a lot better artist than  
16 I.

17 Q. Hold on one second, Doctor.

18 All right. Doctor, what I'd like you to  
19 do is move that closer to the table.

20 A. (Witness complies with request.)

21 Q. Go ahead, Doctor.

22 A. Okay. So that here is the gallbladder.

23 Here's the cystic duct, as I described. This is the

24 common bile duct. This is the common hepatic duct.

Page 22

1 And these are the two -- the right and left hepatic  
2 ducts going up to the liver. And this -- this brown  
3 area depicts the liver.

4 Now, the gallbladder receives its blood  
5 supply -- and that's what keeps the gallbladder  
6 alive -- by an artery which is called the cystic  
7 artery, which you can see right here, which comes  
8 off of the right hepatic artery. So the blood comes  
9 upwards from the common hepatic artery, which is  
10 here. The common hepatic artery divides into two.

11 It gives off a right hepatic artery and a left  
12 hepatic artery. Those arteries supply the liver  
13 with its nutrition. That's where the liver gets its  
14 arterial blood with oxygen. The right hepatic  
15 artery gives off a cystic artery. Now, that cystic  
16 artery keeps the gallbladder alive.

17 So that when you perform surgery to  
18 remove the gallbladder, because that's the treatment  
19 for cholecystitis, you have to remove the  
20 gallbladder. You just don't take out the stones.  
21 What you do is you tie off, which is called ligate  
22 -- it's just a fancy word for that -- the cystic  
23 duct, and you tie the cystic artery. Then you can  
24 remove the gallbladder, and you're left with a

Page 23

1 situation where you have the common bile duct and  
2 the common hepatic duct and the right hepatic duct,  
3 but the cystic artery has been tied and the  
4 gallbladder is removed. That is the proper  
5 technique for removing a gallbladder.

6 Q. You used the term cholelithiasis?

7 A. I didn't, but cholelithiasis means stones  
8 in the gallbladder.

9 Q. Okay. I thought you did. All right.

10 And you've explained cholecystitis  
11 already, which was the inflammation of the  
12 gallbladder.

13 And have you completed your description  
14 of the vascular supply in that area, Doctor?

15 A. The only other thing I want to discuss is  
16 under here is this blue structure. This is called  
17 the portal vein. Now, what the portal vein does is  
18 that when we eat food, we digest that food, but it  
19 gets absorbed into the veins. But if it got  
20 directly into our -- went to our brain directly, it  
21 would be very toxic.

22 So what happens is all the food that we  
23 digest in our intestines, which are down in here and  
24 not shown in the diagram, comes back to the liver

Page 24

1 through the portal vein. So it's a very large  
2 vein. It could be the size of my finger like this.  
3 It's a huge vein. And that vein goes to the liver,  
4 and the blood in the liver gets detoxified so that  
5 all the poisons are taken out of the liver -- out of  
6 the body. That's why the liver is so important and  
7 you can't live without a liver.

8 Then after it gets detoxified, it goes  
9 back to the heart and then gets sent around the  
10 body. So the important structure is the portal  
11 vein, which is way behind, but it's a very big  
12 structure back there. So the liver receives its  
13 arterial supply, in other words, its nutrition, from  
14 the arteries. And then it receives all these toxic  
15 substances from the intestines through the portal  
16 vein. That's the anatomy, yes.

17 Q. You talked about removal of the  
18 gallbladder. What is the medical term for removal  
19 of the gallbladder?

20 A. Cholecystectomy --

21 Q. Okay.

22 A. -- meaning -- "ectomy" means to remove.  
23 So removal of the gallbladder.

24 Q. Are there various means to remove the

<p>Page 25</p> <p>1 gallbladder, Doctor?</p> <p>2 A. Yes. There are -- there are several ways</p> <p>3 to do it. One of the ways that's most common today</p> <p>4 is it's done through a laparoscope, meaning that --</p> <p>5 a so-called belly button operation. You make a -- a</p> <p>6 little incision here at your belly button and a</p> <p>7 couple of other incisions, and you put a camera</p> <p>8 inside and look. And you have a TV screen which you</p> <p>9 look at, and you work with long instruments and you</p> <p>10 remove it. That's one way.</p> <p>11 The older way was called an open</p> <p>12 cholecystectomy where you make an incision in the</p> <p>13 abdomen and take the gallbladder out. Sometimes</p> <p>14 when you're doing it with the TV camera</p> <p>15 laparoscopically, it's too difficult, and then you</p> <p>16 convert to an open method, which, in fact, is what</p> <p>17 happened in the case we're going to discuss today.</p> <p>18 Q. Okay. What is a bile duct injury?</p> <p>19 A. Well, if the duct becomes injured and</p> <p>20 traumatized in some way, then that's called a bile</p> <p>21 duct injury, an injury to the duct.</p> <p>22 Q. Is that an acceptable risk of a</p> <p>23 cholecystectomy?</p> <p>24 A. In a -- in removing the gallbladder, it</p>	<p>Page 28</p> <p>1 clamp the common bile duct because it's right next</p> <p>2 to it. It's within a quarter or even a sixteenth of</p> <p>3 an inch. So it's so close.</p> <p>4 So first rule is if there's bleeding, you</p> <p>5 do a Pringle maneuver. Second thing is if there's</p> <p>6 bleeding, never put a clamp in where you can't see</p> <p>7 in a pool of blood. You've got to clear it away.</p> <p>8 You've got to control the bleeding, get rid of the</p> <p>9 blood, and then repair what you have. You never</p> <p>10 place a clamp where you can't see where that entire</p> <p>11 clamp is going and what you're clamping.</p> <p>12 Q. What is a T-tube?</p> <p>13 A. Well, a T-tube -- I brought a T-tube. A</p> <p>14 T-tube is a tube which is in the shape of a T, and</p> <p>15 that is a tube which we use as surgeons. And it's</p> <p>16 used to place in the common bile duct.</p> <p>17 The nurses give you the tube in this</p> <p>18 shape and this size, and what you do is you cut it</p> <p>19 like this so that you shorten it for the length that</p> <p>20 you need. And often, you will cut it down here too</p> <p>21 to open it so you make it more pliable like this.</p> <p>22 And the T-tube is placed in the common bile duct</p> <p>23 like this and brought out to the outside. And a</p> <p>24 T-tube is used in a situation where you have an</p>
<p>Page 26</p> <p>1 is not acceptable to injure the common bile duct.</p> <p>2 Q. Okay. What is an arterial avulsion?</p> <p>3 A. Avulsion. That means that an artery has</p> <p>4 been pulled off or essentially torn off of an area.</p> <p>5 So, for instance, if we would use, let's</p> <p>6 say -- I'll draw it down here. It will be easier to</p> <p>7 see. If you have an artery here -- and let's say</p> <p>8 this is the main artery and this is the smaller</p> <p>9 artery. If this artery gets pulled off of there or</p> <p>10 essentially torn off, you have an avulsion or a</p> <p>11 tearing off, and then it would look like this.</p> <p>12 You'd have a hole here. That would be an avulsion</p> <p>13 or a tearing off of the artery.</p> <p>14 Q. What are the basic rules in surgery,</p> <p>15 Doctor, relative to managing a vascular bleed</p> <p>16 secondary to an avulsion?</p> <p>17 A. Well, if you have -- let me go back to</p> <p>18 this diagram. If you have an avulsion, let's say,</p> <p>19 of the cystic artery where it's been pulled off of</p> <p>20 the right hepatic artery, that is potentially a very</p> <p>21 serious condition because all of the structures are</p> <p>22 very close to each other. So that the basic</p> <p>23 principle is if you have an avulsion, you're going</p> <p>24 to get bleeding. You do what's called a Pringle</p>	<p>Page 29</p> <p>1 injury to a common bile duct. Then the reason that</p> <p>2 you use this T-tube is because when you have an</p> <p>3 injury to a duct -- I think I'll go to the next</p> <p>4 page.</p> <p>5 Now, let's assume that the gallbladder's</p> <p>6 out now because -- and let's say there's an injury</p> <p>7 to the duct right over here. If you have an injury</p> <p>8 to the duct and you just close the injury by putting</p> <p>9 stitches in, which we call interrupted stitches,</p> <p>10 meaning you put a stitch in and then you tie it with</p> <p>11 three knots, then you put another stitch in and you</p> <p>12 close that opening, there's a very high risk that</p> <p>13 that suture that you place, it will leak and bile</p> <p>14 will leak out, because, remember what I said before,</p> <p>15 this sphincter often closes off. Even though you've</p> <p>16 removed the gallbladder, the sphincter still closes</p> <p>17 off, which raises the pressure in the duct, and this</p> <p>18 area can blow out.</p> <p>19 So what you do to avoid a blowout of that</p> <p>20 line is you place the T-tube. The T-tube is placed</p> <p>21 in the duct, brought out to the outside. You put a</p> <p>22 bag on -- the patient just wears a little bag on the</p> <p>23 side where the bile drains, and this acts as a vent</p> <p>24 or an escape valve. So that when pressure builds up</p>
<p>Page 27</p> <p>1 maneuver, and this was described probably a hundred</p> <p>2 years ago by Pringle. You just place your fingers</p> <p>3 on the hepatic artery right here. It's very simple</p> <p>4 to do as I'm doing right here. And let's say this</p> <p>5 pen was the hepatic artery. You just place your</p> <p>6 finger on there and put pressure on.</p> <p>7 So the basic principle is that when you</p> <p>8 get bleeding, you put pressure on with your finger</p> <p>9 and that stops the bleeding. Once you've stopped</p> <p>10 the bleeding, you put a suction device in and clear</p> <p>11 the suction out and find the exact point where</p> <p>12 there's bleeding. And once you find that exact</p> <p>13 point where there's bleeding, you repair it either</p> <p>14 by putting a stitch in and repairing that opening,</p> <p>15 or if there's a little piece of the artery that's</p> <p>16 still left that's sticking off -- let's say it looks</p> <p>17 like -- like this. There's a little piece. Once</p> <p>18 you see this exact point, you tie that off with a</p> <p>19 stitch and control that.</p> <p>20 But the basic principle is you never</p> <p>21 clamp something that you can't see in this --</p> <p>22 especially in this area, because these structures</p> <p>23 are so close that if you try, let's say, to clamp</p> <p>24 the hepatic artery, it's very easy to inadvertently</p>	<p>Page 30</p> <p>1 in the bile duct, instead of it blowing out the</p> <p>2 hole, it comes out the T-tube and flows right out</p> <p>3 here. So it comes to the outside, and that avoids a</p> <p>4 leak in the duct.</p> <p>5 The other thing that a T-tube is used for</p> <p>6 is, in a case where you have an injury to the duct</p> <p>7 and you've sutured it and put in these interrupted</p> <p>8 sutures, the duct may develop a stricture, or a</p> <p>9 narrowing. And the rule is the smaller the duct,</p> <p>10 the more likely it is to develop a stricture,</p> <p>11 because if you have a duct, let's say, that's this</p> <p>12 big in diameter and you have a hole, when you put</p> <p>13 the stitches in, it may narrow it down to this big.</p> <p>14 It'll -- it will always be a little smaller because</p> <p>15 you've -- have a piece.</p> <p>16 Q. Okay.</p> <p>17 A. But if you have a duct, let's say, that's</p> <p>18 this big in diameter and you put a stitch in, you're</p> <p>19 going to narrow the duct down even more, and</p> <p>20 narrowing the duct can lead to a stricture.</p> <p>21 Now, let's say that you had a stricture</p> <p>22 that developed up here. If you have a T-tube in,</p> <p>23 you can evaluate that area by doing what's called a</p> <p>24 cholangiogram. You will inject dye in through this</p>

Page 31

1 opening, the dye will fill up this ductal system,  
2 and you'll be able to evaluate if a stricture has  
3 developed or if it's getting worse. So that -- so  
4 now a stricture's used, one, to protect against a  
5 leak, or a fistula, which means drainage to the  
6 outside, and it also works to evaluate a stricture  
7 if you have it.

8 The other thing is that if you develop  
9 infection in the duct, it is much easier to diagnose  
10 it when you have a T-tube in because the bile that  
11 you're draining will be infected. And you just  
12 examine the bile, and you'll see there's bacteria in  
13 the bile. So you'll be able to make a diagnosis  
14 very quickly that there's infection or cholangitis  
15 in the duct.

16 And remember what I said before, that  
17 pressure in the duct going up into the liver is what  
18 destroys the liver. You'll avoid the pressure going  
19 up into the liver if you have this T-tube because  
20 you have an escape valve, and you'll always have a  
21 normal pressure in the duct because you've got this  
22 escape valve coming in. So the T-tube is a  
23 life-saving and a protective mechanism.

24 So it gives you the advantage of avoiding

Page 32

1 a fistula or drainage, it gives you the advantage  
2 that you can follow it with x-rays to see how it's  
3 doing, and it avoids infection in the liver, and you  
4 make a diagnosis sooner. And you can leave a T-tube  
5 in as long as you like and evaluate the patient.

6 Q. Okay. Doctor, if you would give that  
7 T-tube to the reporter and maybe we can go off the  
8 record just for a moment so that can be marked.

9 -----  
10 Thereupon, Plaintiff's Exhibit  
11 No. 1 is marked for purposes  
12 of identification.  
13 -----

14 Q. Doctor, we now have marked the T-tube as  
15 Exhibit 1. Did you finish your explanation, Doctor?

16 A. Yes.

17 Q. Okay. You mentioned something about the  
18 smaller the duct is that is injured, the greater the  
19 likelihood of a stricture?

20 A. Yes.

21 Q. Would you explain that a little bit more  
22 for us, please.

23 A. Sure.

24 If we had two ducts -- let's say we had

Page 33

1 one that was this big and then we had one that was  
2 that big -- let's look at it in cross section,  
3 okay. Now, if there's a hole in this duct right  
4 here -- let's say it corresponds to that. When I  
5 put a stitch in -- let me put it in a different  
6 color -- to repair that hole -- I put a stitch in --  
7 it will narrow that duct down. So now the duct will  
8 only be, let's say, this big. But it's still a big  
9 duct.

10 But if you have a tiny duct or a -- even  
11 what we call a normal size duct, which, by the way,  
12 that picture that we showed that Dr. Netter drew,  
13 that's about life size. So if you have one about  
14 that size, when you put the stitch in, by the very  
15 fact that you have to put stitches in, you'll end up  
16 with a duct that's small. And that duct -- the two  
17 sides of the duct may stick together and be narrow,  
18 and that could cause the stricture because the --  
19 the stricture is a narrowing. It would be almost  
20 impossible to have a stricture in a duct this size,  
21 but in a small duct, you get strictures.

22 Q. Okay. And you earlier explained  
23 stricture, and maybe if we can flip back --

24 A. Right.

Page 34

1 Q. -- to the other illustration, Doctor.

2 A. I think I have a picture here where -- I  
3 said this was a stricture, but I have a picture  
4 here, another drawing that Dr. Netter did. This one  
5 isn't reproduced so well, but here is Dr. Netter's  
6 drawing of a stricture of the common duct.

7 In other words, here's the duct. Here's  
8 the stricture or the narrowing. You see this little  
9 channel. There's just a tiny channel that goes  
10 through now for the duct, and here's all this  
11 inflammation that's up in the liver and infected the  
12 liver.

13 Q. Okay.

14 A. So that's what he's showing, or here  
15 again, he shows this. Well, as a matter of fact,  
16 here's the duct, and you see how it's narrowed as a  
17 stricture. And again, he's showing -- he's showing  
18 this as a clamp. This is called a Kelly clamp.  
19 Instead of it being placed on the cystic duct, they  
20 grabbed the common duct. And that caused this  
21 narrowing and this narrowing, which led to the  
22 cholangitis.

23 Q. Doctor, speaking about strictures in  
24 general, what is -- what is the most common cause

Page 35

1 for a stricture?

2 A. Injury to the common duct due to an error  
3 of the surgeon during the performance of a  
4 cholecystectomy.

5 Q. Okay. Are there other potential causes  
6 for strictures but less likely?

7 A. Yes, there are, but they're very  
8 unusual.

9 Q. Okay. In addition to the -- the  
10 stitching as causing a narrowing of the duct, what  
11 else causes a narrowing of the duct after an injury  
12 occurs?

13 A. Well, the other thing, which is even more  
14 important than the stitching, is what's called a  
15 crush injury. When a clamp, as Dr. Netter drew here  
16 -- when a clamp is inadvertently placed on the  
17 common hepatic duct, these clamps are very  
18 destructive. They're crushing clamps. And it  
19 destroys the inner wall of the duct, and that  
20 destruction of the duct causes a stricture to form.

21 It's the mere trauma of the destruction.  
22 Q. Well, what is it about the trauma? Is  
23 there scar tissue that develops?

24 A. Oh, yes. It would be the same -- it

Page 36

1 would be the same concept as -- I mean, I have a cut  
2 on my hand now. This cut, scar tissue's forming now  
3 to heal it. It's not going to narrow my finger  
4 obviously, but if this were a duct, the scarring  
5 inside the duct would get thicker and thicker and  
6 would then narrow the duct.

7 Q. Did -- did you explain the concept of  
8 fistula yet, Doctor?

9 A. I talked a little about it, but I need to  
10 explain a little bit more about it.

11 Q. Okay.

12 A. A -- a fistula is an abnormal condition  
13 due to leakage of some substance, in this case,  
14 bile, to the outside of the body. So that if, let's  
15 say, in a case we have an injury to a duct and you  
16 don't place a T-tube and it leaks, which is very  
17 common, the bile that's inside here will leak. And  
18 when it leaks out, it will leak out to the outside.  
19 It will come through the incision, and you'll have  
20 bile that's draining to the outside. And bile is  
21 caustic substance. It's caustic to the skin. It's  
22 often foul smelling. It's thick. It gets over your  
23 clothes, and it irritates the skin. So a fistula is  
24 an abnormal connection between the bile duct and the



<p>Page 37</p> <p>1 outside of the body.  2 Q. Let's talk a little bit about something  3 called a biliary stent. What is that, Doctor?  4 A. Well, a biliary stent is a -- is a  5 substance -- it could even be the same substance  6 that a bile duct -- that the T-tube is made of. I  7 just cut off a piece of it. And what that is is  8 that some people will place these inside the duct.  9 The way that they do that -- let's see if there's a  10 picture.  11 You will place a scope, which you can  12 look through, a long narrow scope -- looks like a  13 garden hose -- down through the stomach into the  14 intestine here, and you then place this into the  15 common bile duct, which allows the duct to stay  16 open. The reason that stents are used is that if  17 you could place this in here, then it would be in  18 this position and the sphincter of Oddi wouldn't  19 close. So it would leave the duct open. And by  20 leaving the duct open, you can decrease the  21 pressure. And it's used sometimes to treat someone  22 who has a fistula, because, remember, if you have  23 lower pressure in the duct, it -- a fistula might  24 close. But if you have high pressure in, it will</p>	<p>Page 40</p> <p>1 1991, Mount Sinai Hospital records, again, of  2 January of '92, Meridia Hillcrest Hospital records  3 of April and June, and the Cleveland Clinic records,  4 as well as the autopsy and death certificate of  5 Mr. Vayl?  6 Did you receive all those materials, sir?  7 A. Yes, I did.  8 Q. And to your knowledge, Doctor, is that  9 the kind of information that is reasonably relied  10 upon by experts such as yourself in giving an  11 opinion whether or not medical negligence took place  12 and whether that was a competent cause and  13 unnecessary injury to the patient and his ultimate  14 death?  15 A. Yes.  16 MS. REINKER: Objection.  17 Q. Doctor, as a result of your review of  18 these records, I wonder if you could tell the ladies  19 and gentlemen of the jury in your mind what are the  20 salient facts of this case, in essence, what  21 happened to Mr. Vayl.  22 A. Okay. Well, what happened to Mr. Vayl is  23 he had a -- he had inflammation in his gallbladder,  24 called cholecystitis, with stones. And he was</p>
<p>Page 38</p> <p>1 never close. And, therefore, people use stents to  2 try to do that.  3 But you use a stent when you don't --  4 when you haven't left a T-tube. If there's no  5 T-tube in for some reason, then you could use a  6 stent. But if you have a T-tube in, you don't have  7 to use a stent.  8 Q. Doctor, what is the foreseeable  9 consequences of a bile duct injury where a stricture  10 develops, and if no -- surgery is not timely done,  11 what generally happens?  12 MS. REINKER: Objection.  13 A. Well, if you have a bile duct injury, it  14 is likely -- it is more likely than not that the  15 patient will develop a stricture. The stricture  16 will then go on, if not treated, to infection in the  17 duct, which is called cholangitis. The cholangitis  18 will then affect the liver, the liver will fail, and  19 the patient will die.  20 Q. Okay.  21 All right, Doctor. I want -- now, it's  22 time to turn to Mr. Roman Vayl. And at any time  23 during the balance of this deposition, I want you to  24 feel free to look at the chart, which I have at</p>	<p>Page 41</p> <p>1 operated on by Dr. Aszodi and the resident physician  2 who did the surgery with Dr. Aszodi. They  3 originally started the surgery as a laparoscopic  4 procedure and saw that it was difficult, so they  5 converted to an open procedure and made an  6 incision.  7 When they did the surgery, they had a  8 great deal of bleeding at the area of the cystic  9 artery where it comes off of the common -- the right  10 hepatic artery. They placed a clamp in the area and  11 -- first, they determined several things: number  12 one, that there was a tear in the common hepatic  13 duct at this point, which they repaired with  14 interrupted sutures. They placed several sutures  15 and closed that.  16 They also determined that there was  17 bleeding of the right hepatic artery, and they  18 ligated that, meaning they tied off the right  19 hepatic artery, and, therefore, cut off the blood  20 supply to the right lobe of the liver. They then  21 had the gallbladder out, and they closed the  22 patient.  23 In the recovery room, it was noted that  24 the patient was bleeding, and they took the patient</p>
<p>Page 39</p> <p>1 hand, before responding if necessary.  2 Again, in case I forget to ask you, I'm  3 seeking your opinion within a reasonable degree of  4 medical probability.  5 Doctor, is it true that I contacted you a  6 few years ago relative to this case?  7 A. Yes.  8 Q. Okay. Do you recall what the assignment  9 was that you received from me?  10 A. You asked me to read through the records  11 and give you an opinion whether anyone deviated from  12 accepted medical practice or not.  13 Q. All right, Doctor. Is it true that I  14 sent you the following materials to review,  15 including Mr. Vayl's complete chart at Mount Sinai,  16 the depositions of Drs. Aszodi, Ponsky, Tenenhaus,  17 Jackson, Dr. David Bouwman's report, a deposition of  18 Dr. Neissen, the x-rays, the cholangiograms, office  19 records of Dr. Petroff, Dr. Aszodi's office records,  20 Dr. Ponsky's office records, Dr. Baron's records at  21 Mount Sinai Russian Clinic, the Meridia Hillcrest  22 Hospital emergency room record for October 26, '91,  23 Mount Sinai Hospital records of October 29, 1991,  24 Meridia Hillcrest Hospital records of December 9,</p>	<p>Page 42</p> <p>1 back to the operating room for a second time. And  2 at the time of the second surgery, they said there  3 was some oozing, meaning a small amount of blood was  4 coming out of this right hepatic artery, and there  5 was a pinhole where there was bleeding from the  6 portal vein. They ligated this area again in the  7 hepatic artery, and they put a stitch in the portal  8 vein and stopped the bleeding. They then -- patient  9 got out of the operating room and went home. And  10 subsequently, the patient developed many problems.  11 The major problem was that the patient  12 had cholangitis, or inflammation of the common bile  13 duct. The patient was then sent to another surgeon,  14 Dr. Ponsky, and Dr. Ponsky attempted to place stents  15 in the common bile duct to try to relieve the  16 problem. They had determined that the patient had  17 developed a stricture, and Dr. Ponsky tried to treat  18 this by using a stent. This was unsuccessful.  19 And the patient eventually went to  20 another surgeon, a Dr. Eisenstat, at Hillcrest  21 Hospital. And Dr. Eisenstat did an operation which  22 is -- has a big name. It's called a  23 choledochojunostomy, which is just a fancy word  24 for saying that he took the common bile duct, or the</p>

<p>Page 43</p> <p>1 common hepatic duct as it's called, which is the 2 "cholecho" part, and he hooked this up to another 3 piece of intestine. He brought a piece of intestine 4 up and hooked that up. This is a difficult 5 operation. He did it and, therefore, could bypass 6 the stricture. 7 So the stricture was here. Let's put it 8 -- show it here. The stricture's here. He did the 9 surgery here by bringing this piece here to a loop 10 of intestine or jejunum. 11 After that surgery, the patient didn't do 12 well. The problem was that by the time 13 Dr. Eisenstat got the patient, the patient was 14 already developing problems with the liver, and it 15 was too late to save this patient. He tried to by 16 doing this choledochojejunostomy. That developed a 17 leak. In other words, where the two pieces were 18 sewn together, that -- it leaked. That happens 19 because this is a difficult operation. Developed a 20 leak. He had to go to the Cleveland Clinic. He had 21 developed a hematoma, which means he developed 22 bleeding around the area. 23 And eventually, the patient had a 24 miserable death from liver failure. He had</p>	<p>Page 46</p> <p>1 failure to do the Pringle maneuver at that time was 2 a deviation from accepted medical practice, and 3 placing the clamp across the hepatic pedicle, means 4 in this area, is a deviation from accepted medical 5 practice because it is so dangerous to do that 6 because of the risk of inadvertently clamping the 7 common hepatic duct, which was done in this case. 8 Q. Would you point that out on the diagram. 9 A. Right. 10 If you place a clamp here in this area, 11 you are so close to the common hepatic duct. In a 12 bleeding situation, you never do that. 13 The basic rule is don't clamp unless you 14 can see exactly what you're doing. Don't clamp in 15 the face of bleeding. Don't clamp in the -- unless 16 you've done the Pringle maneuver. And don't clamp 17 across this pedicle. It's a deviation from accepted 18 medical practice. 19 If you have some bleeding -- the 20 patient's not going to die from a little bleeding 21 here. You can always control that. An ounce of 22 blood that you lose isn't going to matter, but the 23 risk of injuring this common bile duct or this 24 common hepatic duct is a potential lethal</p>
<p>Page 44</p> <p>1 developed numerous problems. He'd had fever. He 2 had chills. He had bile draining out on his skin. 3 He had bile draining onto his clothes. He was sick 4 many times. He'd had multiple operations. And 5 unfortunately, as the autopsy confirmed, he died 6 from this infection that developed in the duct, 7 which then infected the liver. 8 And the final pathology report on the 9 gallbladder which was removed was -- it showed that 10 he had mild cholecystitis, meaning it wasn't very 11 inflamed. It was just a mild case of 12 cholecystitis. But unfortunately, he died. 13 Q. Okay. Doctor, let's talk specifically 14 now about the quality of care and whether or not 15 there were any deviations from the standard of care 16 by Dr. Aszodi and/or the residents who assisted 17 Dr. Aszodi. 18 Can we begin, first of all, with the 19 cystic artery injury. Do you have an opinion, 20 Doctor, whether the cystic artery injury that 21 occurred in the first surgery was a deviation from 22 the standard of care? 23 A. I have an opinion that it was not. It 24 may have been poor technique, but I'll give</p>	<p>Page 47</p> <p>1 complication, and many patients have died from 2 this. And that was a deviation from accepted 3 medical practice. 4 Then what he said he did is he oversewed 5 this with 2-0 silk suture. In other words, he took 6 a curved needle and put this 2-0 silk, which is a 7 large silk, and put it in there. That is not the 8 way to do that. That's a deviation from accepted 9 medical practice. 10 Q. Why? 11 A. Because you can't see what you're doing 12 when you put in bites with 2-0 silk and oversee it. 13 You can grab something with the stitch and you can't 14 see it, and you can injure the common bile duct or 15 the common hepatic duct. The proper technique is to 16 control the bleeding, see the exact spot where it's 17 coming. So what you do is you hold it or you have 18 your assistant hold it. You suction everything out, 19 you get it dry, and then you let it go. And let's 20 say there was a -- a spot from here. You can see 21 that part pulsating, you can see the blood loss, and 22 you control it. And then you just place a very 23 small clamp right on here and tie off the bleeding 24 site.</p>
<p>Page 45</p> <p>1 Dr. Aszodi and the resident the benefit of the 2 doubt. I wouldn't say that that is a deviation from 3 accepted medical practice. 4 Q. Okay. 5 A. After I read Dr. Aszodi's deposition, I 6 could see where that might have happened without it 7 being negligent. So I would say no, I don't think 8 that's a deviation from accepted medical practice. 9 Q. All right. Let's approach this matter 10 chronologically, Doctor, and tell us where 11 specifically you do see a deviation from the 12 appropriate standard of care by Dr. Aszodi and the 13 residents who assisted him. 14 A. Well, the first deviation -- and it's in 15 the operative report itself, that is, the first 16 operation. He says that a clamp was placed over the 17 right hepatic pedicle base to the cystic vessel. 18 This controlled the bleeding, and this was oversewn 19 with a 2-0 silk suture. 20 Well, when you have bleeding, the proper 21 treatment is not to place a clamp across it. That 22 is clearly a deviation from accepted medical 23 practice. The proper treatment is you perform a 24 Pringle maneuver and control that bleeding. The</p>	<p>Page 48</p> <p>1 Q. What type of clamp at that point? 2 A. Well, what you do is you place a small 3 clamp. And then when you determine that there is 4 bleeding from the hepatic artery, you place what's 5 called a vascular clamp. There are many types of 6 vascular clamps, but the basic principle is vascular 7 clamps are gentle clamps. So that if I placed a 8 vascular clamp on this tube right now, it would 9 gently close it and not crush the inside of it. A 10 Kelly clamp or a clamp that is used in general 11 surgery would crush it. 12 So what you do is you place a vascular 13 clamp on the hepatic artery -- let me just draw that 14 -- and control the bleeding. But what they did -- 15 they had an hepatic artery that was bleeding. What 16 -- what they did is they ligated or tied off the 17 hepatic artery. So, remember, this is going up to 18 the liver. They just tied this off and tied this 19 end off. So they were left with an artery that was 20 tied here, and the other side was tied like that. 21 The proper technique to do is when you 22 have an injury to the hepatic artery -- which we see 23 all the time in gunshot wounds and stab wounds. 24 They happen unfortunately a lot in New York. We see</p>



<p>Page 49</p> <p>1 lots of injuries like that. If the artery is torn, 2 what you do is you place a vascular clamp on here, 3 which is a gentle clamp which stops the bleeding, 4 and a gentle clamp over here, and then you do -- you 5 stitch this artery together with some very fine 6 stitches, take the clamps off, and the blood flows 7 back. 8 So to ligate the hepatic artery in this 9 case was a deviation from accepted medical 10 practice. They should -- there was no attempt made 11 to try to repair this artery. This artery, in my 12 opinion, could have been repaired and should have 13 been repaired. And had it been repaired, it would 14 have worked and the artery would have been intact. 15 Q. Okay. Any other deviations from the 16 standard of care? 17 A. Well, the -- the other deviation is that 18 when they had this injury to the common bile duct, 19 they didn't place a T-tube in. They merely closed 20 the duct. And this was not a tiny pinhole in the 21 duct. This was a significant tear in the duct. And 22 the reason I know that is because if it was a 23 pinhole in the duct, they could have used one stitch 24 to close it. But he says in his -- in his operative</p>	<p>Page 52</p> <p>1 they said that there was oozing from the hepatic 2 artery, in other words, from here. Well, that 3 wasn't what was causing the major bleeding, because 4 if you have -- if the artery was really bleeding, 5 the artery spurts. It's an artery. It's under a 6 great deal of pressure. So you would have -- that 7 would have been the cause of it. It was the portal 8 vein bleeding. The portal vein is such a large vein 9 that even a pinhole opening in it will cause a lot 10 of blood loss. And that's what necessitated the 11 second operation. 12 Q. Doctor, you -- you outlined a number of 13 deviations by the -- by the physicians involved at 14 this surgery. Is it clear to you as to which 15 physician did the items that you've delineated? 16 A. Well, it's difficult to say in all 17 cases. Dr. Aszodi said in his deposition that he 18 placed the clamp. So in my opinion, he deviated 19 from accepted medical practice by placing that 20 clamp. The resident should have told him not to 21 place the clamp, in my opinion. But Dr. Aszodi was 22 in charge, so he made the decision. 23 As far as the -- who injured the portal 24 vein and made a hole in that, I don't know. And I</p>
<p>Page 50</p> <p>1 report he used sutures, plural, meaning he used more 2 than one suture to close this tear. Whenever you 3 have a tear in a duct, you must place a T-tube. The 4 failure to place a T-tube was a deviation from 5 accepted medical practice. 6 Now, the other deviation is they made a 7 hole in the portal vein also. This was -- luckily, 8 it was only a pinhole, so it didn't kill the 9 patient. But that's a deviation from accepted 10 medical practice. You shouldn't be anywhere near 11 the portal vein when you're doing this surgery. The 12 portal vein is way back. So that if you place a 13 clamp blindly, as they did in this case, in my 14 opinion, not only did they injure the hepatic 15 artery, they injured the common hepatic duct and 16 they got the portal vein right behind it. That's 17 because they didn't use the Pringle maneuver, they 18 didn't control the bleeding, and they did this 19 procedure in a pool of blood and therefore caused 20 that. So that was another deviation from accepted 21 medical practice. 22 Q. Doctor, in your years of practice in 23 doing open cholecystectomies, have you ever had a 24 common hepatic duct injury?</p>	<p>Page 53</p> <p>1 don't know who made the decision. I assume it was 2 Dr. Aszodi made the decision to -- to ligate the 3 hepatic artery, but the resident should have known 4 better also. 5 Q. And what about the placement of a 6 T-tube? Should a resident know better on that 7 issue? 8 A. Oh, absolutely. I mean, that's a basic 9 surgical principle that the resident should have 10 known. 11 Q. All right, Doctor. What I'd like you to 12 do now is take the deviations of standard of care 13 that you've already delineated and tell us what the 14 direct and proximate result was of those specific 15 deviations. 16 MS. REINKER: Objection. 17 Q. What flowed from that? 18 MS. REINKER: Objection. 19 MR. GROEDEL: Objection. 20 A. Well, the hole in the portal vein, that 21 caused the second operation. So had the patient not 22 had a hole in the portal vein, he wouldn't have had 23 a -- wouldn't have had the second operation at that 24 time.</p>
<p>Page 51</p> <p>1 A. No. And neither has my partner, and he 2 was doing it for 20 more years than I. 3 Q. Doctor, in your over 20 years of 4 practice, have you ever had a portal vein injury in 5 an open cholecystectomy? 6 A. No. 7 Q. Doctor, you indicated earlier that a 8 stricture developed in -- in Mr. Vayl's duct 9 ultimately. 10 A. Yes. 11 Q. Okay. Do you have an opinion within a 12 reasonable degree of medical probability as to what 13 the most likely cause of that stricture development 14 was? 15 A. Yes. 16 Q. Would you -- 17 A. The most likely cause was a crush injury 18 from this clamp that was placed, which then led to 19 the stricture. 20 Q. Speaking about the portal vein injury, 21 Doctor, when do you feel that occurred? 22 A. It occurred during the first operation. 23 That's what necessitated the second operation. The 24 first -- when they got in on the second operation,</p>	<p>Page 54</p> <p>1 The injury to the common hepatic duct led 2 to the stricture, which then the patient developed 3 cholangitis, then developed infection in his liver. 4 If the common hepatic duct had not been injured, the 5 patient would have gone home from the hospital in 6 five or six days and would have done well and be 7 alive today. But because the common hepatic duct 8 was injured, the patient had to undergo the 9 procedures by Dr. Ponsky, which were painful and 10 took time. He had to undergo the surgery by 11 Dr. Eisenstat. He had to develop all the 12 complications that developed, the chills and the 13 fever. And then he had to have other operations at 14 the Cleveland Clinic, the infection in his liver, 15 and his death. So that -- that's very clear. 16 And also, if the -- when they injured the 17 duct, had they placed a T-tube, it's my opinion that 18 they would have diagnosed the fistula sooner, the 19 patient would not have developed the cholangitis and 20 would not have died. And if they had repaired the 21 hepatic artery, it's my opinion that that would have 22 worked. But because they didn't repair the hepatic 23 artery, they deprived the right side of the liver of 24 its arterial supply. Therefore, that put the</p>

Page 55

1 patient in a weakened state. It made him more  
 2 likely to develop an infection in his liver because  
 3 the liver wasn't able to fight the infection as well  
 4 because there wasn't good blood supply.  
 5 It's the same concept with this cut on my  
 6 finger. This is going to heal in a few days. But  
 7 if somebody cut off my radial artery, my finger's  
 8 going to fall off. This is going to get infected,  
 9 and I'm going to lose my finger.  
 10 Well, the same thing affected the liver.  
 11 The liver was not getting a good blood supply; and,  
 12 therefore, that -- that liver was at a greater risk  
 13 to become infected, which it did, and the patient  
 14 ultimately died. So in summary, had the surgery  
 15 been done properly, the patient would have -- it was  
 16 a routine gallbladder that could have been taken  
 17 care of and the patient home in five or six days.  
 18 MS. REINKER: Move to strike.  
 19 MR. GROEDEL: Join. Join in that.  
 20 Q. Doctor, I'm going to ask you to assume  
 21 that there may be some experts on behalf of the --  
 22 the defendants that may give some specific testimony  
 23 or opinions here, and I'm going to take this  
 24 opportunity to ask you to respond to that.

Page 56

1 Before I do, and I guess I forgot to say  
 2 this earlier, this matter is set for trial on  
 3 November 6. Are you going to be available for trial  
 4 the week of November 6?  
 5 A. No, I'm not.  
 6 Q. Okay. Doctor, I'm going to ask you to  
 7 respond to a few things that some of the defense  
 8 experts have testified to.  
 9 First of all, there -- there's a  
 10 suggestion by them that -- that there may have never  
 11 been a ductal injury, that -- that simply the duct  
 12 fell apart at the time that the operative field was  
 13 -- became apparent after they opened the patient  
 14 up.  
 15 Do you agree or disagree with that,  
 16 Doctor?  
 17 MS. REINKER: Objection.  
 18 A. I -- I certainly disagree. It doesn't  
 19 make any sense. I mean, that didn't happen. There  
 20 was a ductal injury. There's no doubt in my mind of  
 21 that.  
 22 Q. And, Doctor, there's a suggestion by one  
 23 of the defense experts that the portal vein injury  
 24 likely occurred at the second surgery. Do you agree

Page 57

1 or disagree?  
 2 A. I disagree.  
 3 Q. And the basis, Doctor?  
 4 A. Well, they were -- they were nowhere near  
 5 the -- the portal vein at the second operation. And  
 6 he said -- if it did occur, he would have said in  
 7 his operative report, in my opinion. If -- if,  
 8 during the second operation, he injured the portal  
 9 vein, he would have dictated something to the effect  
 10 of, while doing such and such, I made a hole in the  
 11 portal vein and I put a stitch in and repaired it.  
 12 He just said a hole was found in the portal vein. I  
 13 mean, that's -- that's -- happened at the first  
 14 operation. And also, where did all the blood come  
 15 from? Why did they go back in and operate after the  
 16 first operation? It didn't come from the hepatic  
 17 artery. It came from the portal vein.  
 18 Q. And what was the need to take him back to  
 19 the second surgery?  
 20 A. Massive bleeding. That -- that's why  
 21 they took him back. There was a lot of blood  
 22 draining, and they noticed this on the -- in the  
 23 recovery room. The nurses called the doctors and  
 24 told them the patient's bleeding, and they took him

Page 58

1 back to the operating room.  
 2 Q. Doctor, there may be some testimony from  
 3 one of the defense experts that the stricture that  
 4 ultimately formed was -- was merely due to  
 5 inflammation and devascularization from dissecting  
 6 the surgery.  
 7 Do you agree or disagree with that?  
 8 A. I disagree.  
 9 Q. What is your basis for that, sir?  
 10 A. My -- my basis is that they traumatized  
 11 the duct during the surgery. That makes up 99  
 12 percent of all strictures. This -- there's no  
 13 evidence that there was devascularization of the  
 14 duct itself, and devascularization would be such a  
 15 rare cause of a stricture that I don't think that  
 16 that's the likely cause.  
 17 Q. And what does devascularization mean?  
 18 A. Well, that you've cut off the blood  
 19 supply to the common bile duct. Well, if you -- but  
 20 if you've cut off the blood supply, why did it just  
 21 stricture at one spot? Why didn't the whole duct  
 22 just stricture down? I mean, it's too coincidental  
 23 that at the -- at -- if you look at the anatomy,  
 24 here's where the injury occurred to the right

Page 59

1 hepatic artery. And where is that? It's right next  
 2 to the junction of the left and right hepatic ducts,  
 3 which is where the stricture was. So it's due to a  
 4 -- it's due to the trauma that I described from the  
 5 clamp at the time of the surgery.  
 6 Q. Finally, Doctor, do you have an opinion  
 7 within a reasonable degree of medical probability  
 8 whether Mr. Vayl's horrendous pain and suffering, as  
 9 you've described, and his death in July of '92 was  
 10 avoidable, preventable, and unnecessary?  
 11 MS. REINKER: Objection.  
 12 A. Yes, I have an opinion.  
 13 MR. GROEDEL: Objection.  
 14 Q. What is that opinion?  
 15 A. That it was. Had this been -- it was  
 16 avoidable and preventable. And had the surgery been  
 17 done properly, the patient would have been spared  
 18 all this tremendous pain and suffering and would --  
 19 would not have died.  
 20 MR. BECKER: No further questions.  
 21 Off the record.  
 22 (A discussion is held off the record.)  
 23 -----  
 24

Page 60

1 CROSS-EXAMINATION  
 2 BY MS. REINKER:  
 3 Q. Dr. Bassin, my name is Susan Reinker. We  
 4 met a little earlier. As you know, I represent  
 5 Dr. Aszodi in this lawsuit, and I have a few  
 6 questions for you today.  
 7 A. Okay.  
 8 Q. Okay. I think there are a couple of  
 9 points we can agree on.  
 10 First of all, you would agree that a  
 11 physician can exercise appropriate care and still  
 12 get a bad result or an unanticipated result?  
 13 A. Yes.  
 14 Q. And sometimes there are complications  
 15 during surgery?  
 16 A. Yes.  
 17 Q. And bleeding can be one of those  
 18 complications?  
 19 A. Yes.  
 20 Q. And that's why you hold the opinion in  
 21 this case that the initial tear of the cystic artery  
 22 was not below standards because a bleeding  
 23 complication can happen during surgery?  
 24 A. Yes.

<p>Page 61</p> <p>1 Q. Now, once that bleeding complication 2 occurred, the physicians had to deal with it? 3 A. Yes. 4 Q. Okay. And that -- by the way, have you 5 ever had a cystic artery tear during the course of 6 one of your procedures? 7 A. No. 8 Q. You've had other vessels tear or be 9 disruptive, I presume? 10 A. Oh, yes. Many. 11 Q. And when that happens, it's no longer a 12 routine case, correct? 13 A. It's different than normal, but we as 14 surgeons are trained to deal with that. And I have 15 had cystic artery bleeding. I've never had an 16 avulsion, but I've had the cystic artery bleed and 17 I've used the Pringle maneuver to -- to control 18 that. So that's not that uncommon. 19 Q. It's not that uncommon, but -- but it's 20 not the completely routine, average cholecystectomy 21 that you would do? 22 A. Oh, no. 23 Q. Okay. And in order to deal with the 24 bleeding problem, the surgeon has to do some</p>	<p>Page 64</p> <p>1 Q. That's something that is such a basic 2 violation of the principles of surgery, you would 3 not expect a first-year resident to do something 4 like that, would you? 5 A. I would not. 6 Q. Now, we know in this case where the clamp 7 was placed, correct? 8 A. Yes. 9 Q. From the operative note? 10 A. Yes. 11 Q. And I think you've quoted that sentence 12 in the operative note. It says very clearly that a 13 clamp was put on -- excuse me one moment here. The 14 clamp was placed over the right hepatic pedicle base 15 to the cystic vessel. That's what the operative 16 note says, correct? 17 A. Right. 18 Q. If a surgeon can find a little stump of 19 the vessel, a little stump of the cystic artery, 20 which you've drawn on the diagram there, and put a 21 clamp on it, that's -- that's the first step a 22 surgeon could take to control bleeding, correct? 23 A. If you see a stump, you could place a 24 fine clamp on that stump and then ligate that, yes.</p>
<p>Page 62</p> <p>1 additional dissecting or cutting. That means you 2 have to move some tissues out of the way so you 3 could get to the bleeding vessel and treat it, 4 right? 5 A. Sometimes. Sometimes you don't have to 6 move anything away. In this case, you may not have 7 had to move anything away. If you did a Pringle 8 maneuver and there was an avulsion, since you were 9 tying at that area, you could have seen it. 10 Q. Doctor, in some cases, the surgeon does 11 have to do some additional dissection to get to the 12 bleeding vessel, correct? 13 A. Sometimes. 14 Q. And that's a judgment call that the 15 surgeon has to make at the time? 16 A. Yes. 17 Q. In this case, you agree that the 18 procedure, the whole surgery, was a very difficult 19 dissection? 20 A. Yes. 21 Q. And that was because -- initially because 22 of the inflammation, the inflammatory tissue from 23 the cholecystitis? 24 A. Yes.</p>	<p>Page 65</p> <p>1 Q. That would be appropriate? 2 A. Yes. 3 Q. And if that's what happened -- if that's 4 what happened in this case, that would be 5 appropriate management of the bleeding vessel, 6 correct? 7 A. But that's not what happened. Dr. Aszodi 8 drew a picture at his deposition and showed that it 9 wasn't on the cystic artery stump. 10 Q. Doctor, I'm asking you at the moment to 11 assume if that's what happened in this case, that 12 would be appropriate management of the bleeding 13 cystic artery base, correct? 14 A. You're asking me to assume something I 15 don't believe; but if I assume what you're saying is 16 true, then yes, that would be appropriate. But I 17 don't believe that happened in this case. 18 Q. You have no idea what clamps were being 19 used during this part of the surgery, do you? 20 A. That is correct. I don't know which 21 clamps. 22 Q. You don't know if they were big clamps or 23 fine clamps or vascular clamps or nonvascular 24 clamps? You don't know what they were?</p>
<p>Page 63</p> <p>1 Q. Now, I gather your testimony is -- is 2 that when the bleeding occurred -- I think you even 3 said this -- that Dr. Aszodi blindly put a clamp on 4 in a pool of blood. Is that your -- 5 A. He either blindly put it on in a pool of 6 blood or he put it as he described, across this 7 hepatic pedicle. He didn't control the bleeding. 8 He used the clamp to control the bleeding. So there 9 had to have been blood there, yes. 10 Q. Did you -- you made the statement he put 11 a clamp on in a pool of blood. 12 A. Yes. 13 Q. Now, that would be a pretty incredible 14 thing for a skilled surgeon to do, wouldn't it? 15 A. Incredible, meaning it shouldn't have 16 been done. I have seen other surgeons who have done 17 that and injured the common bile duct. This is not 18 the first time I've ever seen a common bile duct 19 injured in this fashion. 20 Q. And I'm talking about putting a clamp on 21 in a pool of blood. That is something a well 22 trained surgeon would not do; isn't that correct? 23 A. Yes. And if he did, it would be a 24 deviation from accepted medical practice.</p>	<p>Page 66</p> <p>1 A. In my opinion, they were not vascular 2 clamps. You do not have vascular clamps on the 3 operative field when you do a cholecystectomy. And 4 had he used a vascular clamp, he would have dictated 5 it in his report and he would have said in his 6 deposition, I assume. 7 Q. Doctor, if a vascular clamp was used in 8 that -- this case, that would be appropriate? You 9 described that earlier, correct? To clamp the 10 bleeding vessel? 11 A. If a vascular clamp was placed and you 12 could see where the tips of that vascular clamp were 13 placed and you placed it properly, then that would 14 be appropriate. 15 Q. Have you seen any evidence as to where or 16 how the clamp was placed in this case? I mean, have 17 you seen any photographs or x-rays or pictures or 18 anything that show a clamp in place? 19 A. Yes. I saw Dr. Aszodi's drawing that he 20 made at his deposition, and based on his drawing, he 21 placed that clamp improperly. 22 Q. Doctor, Dr. Aszodi will explain his 23 diagram, okay. I'm asking you: Based on the facts 24 of this case, on the x-rays that you've seen, have</p>

Page 67

1 you seen anything that showed the position of a  
2 clamp during the surgery?  
3 A. No. You never see that.  
4 Q. Now, you've agreed, I think earlier, that  
5 all of these structures are very close together.  
6 I'm talking about the cystic artery, the hepatic  
7 artery, the cystic duct. The portal vein even is  
8 right behind them. They're all in close proximity  
9 to each other, correct?  
10 A. The portal vein is much further away, but  
11 the other structures are very close together. The  
12 portal vein is -- is behind. You'd have to really  
13 dig down to find that.  
14 Q. How much further behind is it? It's  
15 within half an inch, right?  
16 A. Oh, yes. Yes. But it's -- but we're  
17 talking about -- you know, in this case, we're  
18 talking about less than half -- a half inch is a  
19 long way in this particular operation.  
20 Q. Correct. So all these vessels, they were  
21 lying right on top of each other almost, correct?  
22 A. Oh, yes. They're supposed to.  
23 Q. When a gallstone is stuck in the cystic  
24 duct, that also will make the surgery a little more

Page 68

1 difficult, correct?  
2 A. Yeah. But that's very common. That's --  
3 that -- when -- this is -- this --  
4 Q. Doctor, my --  
5 A. This --  
6 Q. -- my question is: Does that add to the  
7 difficulty of the surgery?  
8 MR. BECKER: Please let him answer his --  
9 please let him finish his answer.  
10 Q. Let me rephrase my question.  
11 Does the presence of a gallstone in the  
12 cystic duct add to the difficulty of the surgery in  
13 removing the gallbladder?  
14 A. Sometimes it does and sometimes it makes  
15 it a lot easier.  
16 Q. In this case, we know there was  
17 inflammatory tissue, correct?  
18 A. There was some. The pathology report  
19 says mild.  
20 Q. There was inflammatory tissue, Doctor,  
21 correct?  
22 A. Yes.  
23 Q. There was a bleeding episode, correct?  
24 A. Yes.

Page 69

1 Q. There was the presence of a gallstone  
2 stuck in the cystic duct, correct?  
3 A. Yes.  
4 Q. This was not a routine cholecystectomy,  
5 was it?  
6 A. It was a routine difficult  
7 cholecystectomy but one that all general surgeons  
8 have faced hundreds of times. This is a common  
9 thing that happens here. His gallbladder was not  
10 any more difficult than ones that we do all the  
11 time.  
12 Q. So -- so you're saying this was not a  
13 difficult cholecystectomy?  
14 A. It was a difficult cholecystectomy but  
15 one that could have been handled by a competent  
16 general surgeon.  
17 Q. You made a statement earlier that a clamp  
18 was placed on the common bile duct. Is that what  
19 you said?  
20 A. Or the common hepatic. I believe that  
21 the -- when the -- when -- when he clamped to  
22 control the bleeding, he also grabbed the common  
23 hepatic duct, which is where the stricture and the  
24 leak developed.

Page 70

1 Q. What evidence do you see for that in the  
2 records?  
3 A. I base it on everything that I've read.  
4 It doesn't say it specifically, but if you have a  
5 hole in the -- if you have a tear -- he said there  
6 was a tear in the -- in the common hepatic duct. If  
7 there's a tear in the duct, something had to tear  
8 it. And it's more likely than not it was the clamp  
9 because that's the most common way that a duct is  
10 torn or crushed, and it -- also, a clamp was used in  
11 this case when there was bleeding. So I've  
12 concluded that the clamp caused the tear and caused  
13 the crush.  
14 Q. And that's an assumption on your part?  
15 A. Based on a reasonable degree of medical  
16 probability.  
17 Q. Do you have any literature to support  
18 your contention that the most common cause is -- of  
19 injuries or problems with the duct is the placement  
20 of the clamp? Is that -- do you find that in the  
21 literature anywhere?  
22 A. Oh, sure. It's been written up -- as a  
23 matter of fact, that's why Dr. Netter in his diagram  
24 put this here.

Page 71

1 Q. Can you cite me any articles that support  
2 that.  
3 A. Let me just finish my answer, okay.  
4 If you see here, this is -- this is --  
5 the most common way that a -- that a common bile  
6 duct --  
7 Q. Doctor --  
8 A. -- common hepatic duct is injured is with  
9 a clamp.  
10 Now, can I cite you any specific  
11 articles? I don't know any, but there have been  
12 many written about it. And it's a very common  
13 phenomenon, and it's taught to every resident and  
14 intern.  
15 Q. Doctor, my question is -- again, I think  
16 you've stated you cannot cite to me any literature  
17 that says the most common cause of injuries is the  
18 placement of the clamp, correct?  
19 A. I can't cite -- I have seen literature.  
20 I can't cite you chapter and verse of all the  
21 journals that I've ever read and -- I can't give you  
22 a specific article right now.  
23 Q. You have no idea as to the size of -- of  
24 any injury to the -- to the cystic -- the duct, do

Page 72

1 you, the hepatic duct? You don't know how big of an  
2 injury it was?  
3 A. Well, I know it was big enough that he  
4 couldn't repair it with one suture; so, therefore,  
5 it had to be a significant injury to the duct.  
6 Q. Big enough to take two sutures?  
7 A. At least two.  
8 Q. Okay. If there's a small opening in the  
9 bile duct, either hepatic or the bile duct, one of  
10 the recognized appropriate ways to manage that is to  
11 place one or two sutures, correct?  
12 A. I wouldn't say a small -- a small opening  
13 you would close with one. Once you need more than  
14 one, you've got -- I wouldn't call that a small  
15 opening.  
16 Q. Doctor, that's happened to you, hasn't  
17 it?  
18 A. Has what happened to me?  
19 Q. You've had a case -- well, let me start.  
20 You yourself have had a complication during the  
21 course of a gallbladder surgery where a small  
22 opening was made in the bile duct, correct?  
23 A. Yes. But that was completely different.  
24 That was a case that was a laparoscopic

<p>Page 73</p> <p>1 cholecystectomy, and there was a tiny -- and I'm 2 talking about not any bigger than a hair -- 3 accessory duct that came off of the common hepatic 4 duct which could not be seen on laparoscopy, which 5 did make a tiny hole in that duct. 6 Q. That was -- I think you described that 7 tiny hole in your case as the size of a pinhole, 8 correct? 9 A. Right. 10 Q. And you repaired it with one suture? 11 A. Yes. 12 Q. And you did not place a T-tube, did you? 13 A. Correct. But that's because -- 14 Q. That -- 15 A. -- it was a pinhole size. It was not a 16 tear. It was a pinhole size opening. 17 Q. So if, in this case, the opening in the 18 duct was a pinhole size and was repaired with a 19 suture and no T-tube was placed, then, like in your 20 case, that would be appropriate management, 21 correct? 22 A. No, because in my case, I didn't use a 23 clamp. I didn't use any clamps. So, therefore, I 24 could not have crushed the duct. I was using</p>	<p>Page 76</p> <p>1 better. So that in a routine case where you place a 2 T-tube -- like when you open the common bile duct to 3 remove a stone, you always place a T-tube -- you 4 just gently pull on the T-tube, and the T-tube 5 collapses like that and comes out of the duct. 6 Q. So this is done with the patient lying in 7 their hospital bed perhaps? 8 A. Yes. 9 Q. And so it leaves a little quarter inch 10 hole in the common duct? 11 A. Yes. 12 Q. Aren't you -- in this case, the initial 13 injury was only described as a pinhole size hole by 14 Dr. Aszodi, right? 15 A. Right. 16 Q. And you're saying if a -- if a T-tube had 17 been placed, then it would have been safe to just 18 pull the T-tube out and leave a little hole in the 19 duct about a quarter inch around? 20 A. Yes, because when you place a -- when you 21 place a T-tube in like this, the body forms a 22 fistulous tract around the tube. So when the tube 23 comes out and you pull it out of the duct, the tube 24 comes out, the fistulous tract stays open. But</p>
<p>Page 74</p> <p>1 different kinds of instruments. That was a 2 laparoscopic cholecystectomy. That was not a case 3 where I had bleeding and I tried to control the 4 bleeding and I placed a clamp across the hepatic 5 pedicle. It was a completely different situation. 6 Q. In that case, you did not place the 7 T-tube, correct? 8 A. That's correct. 9 Q. Have you looked at the cholangiogram 10 films taken during the surgery in this case? 11 A. I did not because I was shown all the 12 films and the cholangiogram surgery films were not 13 there. 14 Q. So you've never seen the -- the x-rays 15 taken during the surgery? 16 A. No, I have never seen them. 17 Q. So you have no idea how any of the common 18 bile duct or the hepatic duct appeared during the 19 surgery? 20 A. On the cholangiogram, that's correct. 21 But I've seen subsequent cholangiograms, so I know 22 the size of the ducts -- 23 Q. I'm talking about -- 24 A. Well, you're -- let me just finish.</p>	<p>Page 77</p> <p>1 since there's no obstruction to flow, this closes. 2 Q. So how long does it take for that little 3 opening to close? 4 A. Well, you usually leave a T-tube in about 5 ten days, and the hole will close in two or three or 6 four days usually. 7 Q. And the patient would just continue to 8 have bile draining to the outside during that period 9 of time you're waiting for the hole to close? 10 A. But a very small amount because 99 11 percent of the bile goes through the bigger opening 12 and then the -- the fistula closes. 13 Q. After the surgeries on October 1, you are 14 not critical of anything else that happened during 15 Mr. Vayl's stay in the hospital, correct, at Mount 16 Sinai? 17 A. That's correct. 18 Q. And he went home on October 11? 19 A. Yes. 20 Q. And in your opinion, in order to give 21 appropriate care, Dr. Aszodi had a couple of weeks 22 to get him reevaluated? I think you said within two 23 weeks he needed to be reevaluated. 24 A. Yes.</p>
<p>Page 75</p> <p>1 I know the size of the ducts and I saw 2 the stricture, but I did not see the O.R. 3 cholangiogram that was taken. 4 Q. So you don't know how those ducts looked 5 when Dr. Aszodi checked for their continuity and to 6 make sure the repair was adequate before he closed 7 the patient's abdomen? You've never seen those 8 films? 9 A. That's correct. 10 Q. There's nothing that can be done to 11 prevent a stricture in the -- in the duct, correct? 12 Nothing that will prevent it? 13 A. There are some things that can be done, 14 but many times it doesn't work. 15 Q. And the placement of a T-tube does not 16 prevent a stricture? 17 A. No, it does not. 18 Q. By the way, how do T-tubes -- you showed 19 that little T-tube before. That's about, what, a 20 quarter of an inch round maybe? 21 A. Yeah, I'd say. 22 Q. How does that get out, come out? 23 A. Well, what you do is -- the reason that I 24 cut it here, if you notice, is it makes it bend</p>	<p>Page 78</p> <p>1 Q. And you're aware he was evaluated and an 2 ERCP done on October 29? 3 A. That's correct. 4 Q. Just about two weeks and four days later? 5 A. That's -- that's fine. 6 Q. So I gather you feel that would be an 7 appropriate interval? 8 A. Yes. 9 Q. Doctor, you -- you yourself have never 10 operated on a patient to take care of a -- this kind 11 of a stricture in a common hepatic duct, correct? 12 A. I have never done it. As a resident, I 13 have assisted surgeons at doing that, but I have 14 never done a stricture. I've done this procedure, 15 the choledochojunostomy. I've done many of those 16 but not for strictures for other things. 17 Q. Other doctors don't refer patients to you 18 to have strictures treated, right? 19 A. Right. 20 Q. By the way, after Mr. Vayl left 21 Mount Sinai Hospital on October 11, after that time, 22 essentially the -- the vascular injuries -- he had 23 no further bleeding, no additional problems from the 24 vascular injuries, correct?</p>

<p>Page 79</p> <p>1 A. Correct.</p> <p>2 Q. Now, we know he went on to develop the</p> <p>3 stricture.</p> <p>4 A. Yes.</p> <p>5 Q. Have you reviewed any of the subsequent</p> <p>6 medical records in between October, or rather,</p> <p>7 January of 1992, and the surgery in June?</p> <p>8 A. I reviewed all of them.</p> <p>9 Q. Okay. By the way, you completed your</p> <p>10 residency in 1974. You told us that earlier,</p> <p>11 correct?</p> <p>12 A. Yes.</p> <p>13 Q. And for the first two years you were out,</p> <p>14 you worked in an emergency room?</p> <p>15 A. Right. I directed Mount Sinai's</p> <p>16 affiliate hospital, Elmhurst Hospital.</p> <p>17 Q. You really didn't start into practice as</p> <p>18 a general surgeon until 1976?</p> <p>19 A. Correct.</p> <p>20 Q. And your entire career, you've been in</p> <p>21 sole practice with one partner for a while who's now</p> <p>22 retired --</p> <p>23 A. Right.</p> <p>24 Q. -- right?</p>	<p>Page 82</p> <p>1 Q. Okay. That's near New York City?</p> <p>2 A. Yes.</p> <p>3 (Pause in proceedings.)</p> <p>4 Q. Doctor, you mentioned a couple of</p> <p>5 journals before with which you were involved. That</p> <p>6 was back in the early '80s, correct?</p> <p>7 A. Yes.</p> <p>8 Q. You've not been an editor of any journals</p> <p>9 since 1984?</p> <p>10 A. Correct.</p> <p>11 Q. By the way, you just -- you were just</p> <p>12 learning how to do laparoscopic cholecystectomies in</p> <p>13 1991, correct?</p> <p>14 A. Right.</p> <p>15 Q. You've never taught any courses in how to</p> <p>16 do laparoscopic or open cholecystectomies, have you?</p> <p>17 A. No.</p> <p>18 Q. You told us earlier about your</p> <p>19 involvement in medical malpractice lawsuits.</p> <p>20 A. Yes.</p> <p>21 Q. And you really started getting involved</p> <p>22 in that kind of work right after your residency,</p> <p>23 right?</p> <p>24 A. Yes.</p>
<p>Page 80</p> <p>1 A. I was in partnership with him for 16</p> <p>2 years.</p> <p>3 Q. You've never held a teaching appointment</p> <p>4 at a university medical school, anything like that?</p> <p>5 A. Oh, yes, I have. I was -- I was an</p> <p>6 instructor in surgery at Mount Sinai Medical</p> <p>7 School. I was --</p> <p>8 Q. I'm sorry. That was -- that was -- that</p> <p>9 was back in what, the '70s?</p> <p>10 A. Yes. And then I was a -- I was a</p> <p>11 clinical instructor in surgery at the New York</p> <p>12 Osteopathic Medical School.</p> <p>13 Q. And again, that was back in the '70s?</p> <p>14 A. I think so. You have my CV. Yes.</p> <p>15 Q. You have not been involved in the ongoing</p> <p>16 teaching of residents for 12 to 15 years?</p> <p>17 A. I teach residents, but I'm not on the</p> <p>18 faculty of any medical school teaching residents,</p> <p>19 per se. I'm in the active practice of general</p> <p>20 surgery.</p> <p>21 Q. You have 19 articles that you've</p> <p>22 published?</p> <p>23 A. Yes.</p> <p>24 Q. And the last one was 1979?</p>	<p>Page 83</p> <p>1 Q. From the late 1970s until 1991, you</p> <p>2 reviewed from 350 to 550 cases a year?</p> <p>3 A. No.</p> <p>4 Q. No?</p> <p>5 A. I reviewed -- I've testified as many</p> <p>6 times. I reviewed between 50 and 100 cases per</p> <p>7 year.</p> <p>8 Q. Well, let's take a look at your</p> <p>9 deposition. You have a copy of it there.</p> <p>10 A. Well, there are -- there are many errors</p> <p>11 in my deposition.</p> <p>12 Q. Well, let's just read this -- this one.</p> <p>13 A. Okay. Go ahead.</p> <p>14 MR. BECKER: Excuse me. Can I get the</p> <p>15 question again, please.</p> <p>16 Q. We're looking at page 78 of your</p> <p>17 deposition, line 5. And I'm just going to read it,</p> <p>18 and I want you to read along with me, make sure I'm</p> <p>19 reading it correctly.</p> <p>20 A. What line?</p> <p>21 Q. Five.</p> <p>22 My question to you was: Roughly how many</p> <p>23 medical malpractice cases have you been retained to</p> <p>24 review for either side?</p>
<p>Page 81</p> <p>1 A. Correct.</p> <p>2 Q. You've never published anything on</p> <p>3 gallbladder surgery or biliary tract surgery,</p> <p>4 anything like that?</p> <p>5 A. Correct.</p> <p>6 Q. Okay. You've never done any research on</p> <p>7 those subjects?</p> <p>8 A. Correct.</p> <p>9 Q. You're currently a member of the American</p> <p>10 College of Occupational Medicine; is that correct?</p> <p>11 A. Yes.</p> <p>12 Q. You're not a member of the American</p> <p>13 College of Surgeons?</p> <p>14 A. Correct.</p> <p>15 Q. You're not a member of the AMA?</p> <p>16 A. Correct.</p> <p>17 Q. You're not a member of any of the</p> <p>18 New York State medical societies?</p> <p>19 A. That is correct.</p> <p>20 Q. You do practice medicine in Manhattan,</p> <p>21 right?</p> <p>22 A. No.</p> <p>23 Q. I'm sorry.</p> <p>24 A. I practice in Forest Hills, Queens.</p>	<p>Page 84</p> <p>1 Your answer was: In the '80s and late</p> <p>2 '70s, I reviewed between 350 and 500 cases per</p> <p>3 year.</p> <p>4 A. No. That's -- no.</p> <p>5 Q. Well, Doctor, did I read that correctly?</p> <p>6 A. You read it correctly --</p> <p>7 Q. Okay.</p> <p>8 A. -- but there are many errors in this</p> <p>9 deposition. For instance, on --</p> <p>10 Q. Doctor, did I read that quote correctly?</p> <p>11 A. Yes.</p> <p>12 Q. Okay.</p> <p>13 A. But I want to say that that --</p> <p>14 Q. Okay. You'll have a chance later on to</p> <p>15 clarify anything.</p> <p>16 Now, you have looked at --</p> <p>17 A. Well, I -- I want to clarify it right</p> <p>18 now. That is not correct, and that's not what I</p> <p>19 said. It's a mistake. We did this by phone last</p> <p>20 week, and there were many errors because the person</p> <p>21 who was taking down the information was not sitting</p> <p>22 next to me like this lady. She was in your office.</p> <p>23 And there are many errors that are made in this. I</p> <p>24 said -- in one place, I said clearly, and they said</p>



Page 85

1 poorly or vice versa. So there are numerous  
 2 typographical errors in this deposition.  
 3 Q. Did you make any list of those  
 4 corrections?  
 5 A. Yes, I did.  
 6 Q. Okay.  
 7 A. I have them for you.  
 8 Q. Doctor, you would agree that you have  
 9 reviewed more than a thousand medical malpractice  
 10 cases over the years?  
 11 A. Yes, I would. But not 350 or 400 in a  
 12 year.  
 13 Q. Okay. And you were earning at times in  
 14 the past between 50,000 and 100,000 dollars a year  
 15 from your work as a medical malpractice expert,  
 16 correct?  
 17 A. Yes.  
 18 Q. How many years was your -- were your  
 19 earnings from testifying as a medical malpractice  
 20 expert in that range, 50 to 100 thousand?  
 21 A. Probably in the '80s, so I would say  
 22 about ten years. It's appreciably lower now since  
 23 my partner retired and I review much fewer cases.  
 24 Q. You do work for both sides, for defense

Page 86

1 and for plaintiffs, right?  
 2 A. Yes.  
 3 Q. All of your defense work is done in  
 4 New York State?  
 5 A. No.  
 6 Q. Okay. Have you had any recent cases  
 7 outside of New York State?  
 8 A. Many.  
 9 Q. Many? Since when?  
 10 A. In the last three or four months.  
 11 Q. Okay. Your testifying outside of  
 12 New York -- well, let me just -- prior to three or  
 13 four months ago, isn't it fair to say that all of  
 14 your defense work was done in New York State and all  
 15 of your plaintiffs' work was done outside of  
 16 New York State?  
 17 A. No. I've testified many times for  
 18 plaintiffs in New York State.  
 19 Q. Okay.  
 20 A. And now, I've testified or have reviewed  
 21 cases in numerous states for the defense.  
 22 Q. Okay. How many states have you reviewed  
 23 cases in for the defense?  
 24 A. Florida, Louisiana, New Jersey,

Page 87

1 Pennsylvania, Michigan, a couple others.  
 2 Q. And you've done all those reviews in the  
 3 last three to four months?  
 4 A. Maybe six months or so, yes.  
 5 Q. You have given depositions for plaintiffs  
 6 in -- I think I counted 19 states, correct?  
 7 A. It may be more.  
 8 Q. You have given more than a hundred  
 9 depositions for -- on behalf of plaintiffs in  
 10 medical malpractice cases?  
 11 A. Correct.  
 12 Q. There are four different agencies that  
 13 lawyers can go to who will give them your name as  
 14 one -- as an expert to review cases, correct?  
 15 A. Yes.  
 16 Q. Doctor, by my count, let's assume for the  
 17 moment that you were earning \$100,000 a year on the  
 18 high end for about ten years at least reviewing  
 19 medical malpractice cases. You might have earned  
 20 over a million dollars already from just reviewing  
 21 medical malpractice cases, correct?  
 22 A. Could be. I think it's less than that,  
 23 but it could be almost a million dollars, yes.  
 24 Q. You are aware that Mr. Vayl died in July

Page 88

1 of 1992?  
 2 A. Correct.  
 3 Q. And he died after surgery performed on  
 4 him by Dr. Eisenstat at Hillcrest Hospital?  
 5 A. Yes.  
 6 Q. Do you know when Mr. Vayl last saw  
 7 Dr. Aszodi?  
 8 A. It was way before that. I don't know the  
 9 exact date.  
 10 Q. You're aware that Dr. Aszodi had nothing  
 11 to do with that final surgery?  
 12 A. I'm aware of that, yes.  
 13 Q. And, in fact, Dr. Aszodi didn't even know  
 14 that Mr. Vayl had gone to Dr. Eisenstat for care,  
 15 correct?  
 16 A. Yes, that's correct.  
 17 Q. Mr. Vayl actually died from a number of  
 18 complications of the surgery performed by  
 19 Dr. Eisenstat, right?  
 20 A. Yes.  
 21 Q. Did you testify earlier that the reason  
 22 Mr. Vayl needed the surgery at that time was because  
 23 he had cholangitis?  
 24 A. That was -- no. The main reason was that

Page 89

1 he had the stricture. That was what necessitated  
 2 the surgery, but he did have cholangitis from the  
 3 stricture. But the main indication was the  
 4 stricture.  
 5 Q. Doctor, are you aware that at the time  
 6 Dr. Eisenstat operated on Mr. Vayl, he did some  
 7 samples, he took some biopsies from the liver?  
 8 A. Yes.  
 9 Q. And are you aware that the biopsies of  
 10 the liver showed no evidence of ascending  
 11 cholangitis?  
 12 A. Yes.  
 13 Q. You said that by the time Mr. Vayl got to  
 14 Dr. Eisenstat, it was too late. Did you make that  
 15 statement earlier?  
 16 A. Yes.  
 17 Q. At what point in time for this patient  
 18 was it too late?  
 19 A. Well, I'm not certain about that. I -- I  
 20 don't place stents; and, therefore, I can't say -- I  
 21 would have to defer that to someone who's more  
 22 familiar with stent placement and the repair of  
 23 ducts.  
 24 Q. So you have no opinion as to when it was

Page 90

1 too late for this man?  
 2 A. I don't have an opinion about when --  
 3 exactly when it was too late.  
 4 Q. Do you know when he first came under  
 5 Dr. Eisenstat's care?  
 6 A. I don't have the records in front of me,  
 7 but it was late -- it was later in the course.  
 8 Certainly after Dr. Aszodi was no longer treating  
 9 him.  
 10 Q. So it's your understanding that he first  
 11 saw Dr. Eisenstat after Dr. Aszodi stopped treating  
 12 him?  
 13 A. Well, the surgery took place after  
 14 Dr. Aszodi stopped treating him.  
 15 Q. But you don't know how long he'd been  
 16 under Dr. Eisenstat's care before that surgery?  
 17 A. I don't recall.  
 18 Q. Now, as a complication of Dr. Eisenstat's  
 19 surgery, Mr. Vayl developed abscesses, correct?  
 20 A. Yes.  
 21 Q. He developed a hematoma?  
 22 A. Yes.  
 23 Q. And a hematoma is a blood clot inside  
 24 that comes from bleeding?

Page 91

1 A. Yes.  
 2 Q. You don't know what was bleeding that  
 3 caused the hematoma though, correct?  
 4 A. No, I do not.  
 5 Q. He did develop a bile leak, didn't he,  
 6 after that surgery?  
 7 A. Yes.  
 8 Q. Are you aware that Dr. Eisenstat never  
 9 took Mr. Vayl back to surgery to find out what was  
 10 causing those problems?  
 11 A. Yes, I'm aware of that.  
 12 Q. Then the patient developed sepsis?  
 13 A. Yes.  
 14 Q. He developed kidney failure?  
 15 A. Yes.  
 16 Q. He developed respiratory failure?  
 17 A. Yes.  
 18 Q. Are you critical in any way of the care  
 19 Dr. Eisenstat provided to Mr. Vayl?  
 20 A. No.  
 21 Q. Another name for the surgery that Mr. --  
 22 that Dr. Eisenstat performed was called a Roux-en-Y  
 23 procedure, correct?  
 24 A. Yeah, that's the -- it's -- it's a

Page 92

1 Roux-en-Y choledochojejunostomy. The reason -- it's  
 2 just made into a Y. That's why they call it that.  
 3 Q. And you have done many of those  
 4 procedures, correct?  
 5 A. Yes.  
 6 Q. And none of your patients died?  
 7 A. None that I know of, no.  
 8 MS. REINKER: I have nothing further.  
 9 MR. GROEDEL: Go off the record.  
 10 -----  
 11 CROSS-EXAMINATION  
 12 BY MR. GROEDEL:  
 13 Q. Dr. Bassin, my name is Marc Groedel. We  
 14 met over the phone. I represent Dr. Tenenhaus,  
 15 Dr. Jackson, and Mount Sinai Medical Center. And I  
 16 do have a few questions for you.  
 17 First of all, do you know at what level  
 18 the residents were during this operation, at what  
 19 level in their training? Do you recall that?  
 20 A. I don't remember.  
 21 Q. Okay. Residents are basically students,  
 22 are they not?  
 23 A. Well, they're -- they're postgraduate  
 24 students. They're already physicians, and now,

Page 93

1 they're learning -- they are doctors, and now, they  
 2 are learning the art and skill of surgery.  
 3 Q. Under the direction of attending surgeons  
 4 or -- or experienced surgeons, true?  
 5 A. Yes.  
 6 Q. They're -- they're there to learn how to  
 7 do operations that general surgeons do out in  
 8 private practice or institutions, true?  
 9 A. Correct.  
 10 Q. And so by assisting general surgeons in  
 11 operations such as the one at hand, that's basically  
 12 how they learn --  
 13 A. Yes.  
 14 Q. -- true?  
 15 Okay. And certainly, you would agree  
 16 that, generally speaking, attending surgeons are  
 17 more experienced than residents when it comes to  
 18 surgeries like this, true?  
 19 A. Yes.  
 20 Q. And you certainly wouldn't hold a  
 21 resident to the same standard of care that you would  
 22 hold an experienced attending surgeon to, true?  
 23 A. True.  
 24 Q. All right. Now, let's go back to -- the

Page 94

1 standard of care for a resident would be much lower  
 2 than it would be for --  
 3 MR. BECKER: Objection.  
 4 Q. -- for -- for a surgeon, true?  
 5 A. It would be a -- it could be a different  
 6 standard because he doesn't have the knowledge and  
 7 experience of a general surgeon.  
 8 Q. Of course.  
 9 A. But the principles we're talking about  
 10 here any resident should now.  
 11 Q. Okay. You've -- you've testified that  
 12 the most likely cause of the -- the stricture in  
 13 this case was the placement of the clamp, true?  
 14 A. Yes.  
 15 Q. And you've already told us that there's  
 16 no evidence that any resident placed that clamp,  
 17 true?  
 18 A. Right.  
 19 Q. Okay. And that the -- the stricture is  
 20 what caused the cholangitis, which in your opinion  
 21 is what eventually led to the ultimate result in  
 22 this case --  
 23 A. Yes.  
 24 Q. -- true?

Page 95

1 If that's the case, then there's really  
 2 no indication that anything that a resident did was  
 3 a significant factor to the outcome, true?  
 4 A. Well, if -- if Dr. Aszodi was the only  
 5 one who placed the clamp and --  
 6 Q. Then I'm right?  
 7 A. Well, let me finish.  
 8 Q. I'm sorry.  
 9 A. -- and the resident didn't tell him not  
 10 to place the clamp, then the resident should have  
 11 told him that. But of course, Dr. Aszodi could  
 12 overrule the resident because he was the attending.  
 13 There are other possibilities for how the duct was  
 14 injured.  
 15 Q. But those --  
 16 A. But --  
 17 Q. -- are speculative --  
 18 A. But --  
 19 Q. -- on your part, right?  
 20 A. -- in my opinion, it's more likely than  
 21 not that it was the clamp that did it. But other  
 22 possibilities are certainly that -- that 2-0 silk  
 23 suture that was placed or a retractor that was  
 24 inadvertently placed could have torn the duct, and

Page 96

1 the resident may have done that. But the most  
 2 likely cause and based on a reasonable degree of  
 3 medical probability, it was the clamp that did it.  
 4 Q. Okay. And obviously, if the attending  
 5 surgeon -- the final call as to where a clamp should  
 6 be placed or if a clamp should be placed rests with  
 7 the attending surgeon as opposed to the resident?  
 8 A. Yes.  
 9 Q. All right. And truthfully, you don't  
 10 really have a -- a full understanding of -- of what  
 11 exactly the residents did or didn't do in this  
 12 operation, true?  
 13 A. I don't know exactly what everyone did.  
 14 Q. Okay. You've read Dr. Neissen's  
 15 deposition, haven't you?  
 16 A. Yes.  
 17 Q. You're aware of the fact that he was  
 18 retained by Mr. Becker also to testify on behalf of  
 19 the Vayl family?  
 20 A. Yes.  
 21 Q. And you're aware of the fact that he --  
 22 he wasn't critical of any of the residents for the  
 23 first operation, true?  
 24 A. I don't remember, but could be.

<p>Page 97</p> <p>1 Q All right.</p> <p>2 A I'm not basing any of my opinions on what</p> <p>3 Dr. Neissen said.</p> <p>4 Q Well, obviously not. You're the only one</p> <p>5 in this case that's critical of the residents during</p> <p>6 that first --</p> <p>7 MR. BECKER: Objection.</p> <p>8 Q -- surgery; isn't that true --</p> <p>9 A If I --</p> <p>10 Q -- to your knowledge?</p> <p>11 A If you -- if I assume what you tell me is</p> <p>12 correct and Dr. Neissen said that, then I am. But I</p> <p>13 would let Dr. Neissen speak for himself.</p> <p>14 Q Okay. So from bottom line standpoint, if</p> <p>15 the evidence in this case is that the residents</p> <p>16 didn't place the clamp, the probabilities are that</p> <p>17 the residents aren't responsible for the final</p> <p>18 outcome, true?</p> <p>19 A That's true.</p> <p>20 MR. GROEDEL: No further questions.</p> <p>21 Thank you.</p> <p>22 (A discussion is held off the record.)</p> <p>23 MR. BECKER: Just state it on the record</p> <p>24 then, no questions.</p>	<p>Page 100</p> <p>1 percentage of your time, Doctor, is -- is involved</p> <p>2 in medicolegal work?</p> <p>3 A Less than 10 percent.</p> <p>4 Q And what percentage of your income,</p> <p>5 Doctor, is involved in medicolegal work?</p> <p>6 A Less than 10 percent.</p> <p>7 Q Doctor, there was a reference to the</p> <p>8 T-tube and a question asked of you as to, when the</p> <p>9 T-tube is removed, there -- there might be an</p> <p>10 opening as great as -- as one quarter of an inch and</p> <p>11 -- and what happens to that with the suggestion in</p> <p>12 the question that somehow that might be analogous to</p> <p>13 a situation where the initial tear occurs. Is it</p> <p>14 analogous at all, Doctor?</p> <p>15 A No. It's a completely different</p> <p>16 situation. Maybe I could draw that and just explain</p> <p>17 it.</p> <p>18 Now, if this blue is the -- is the T-tube</p> <p>19 in the duct -- and it looks like this -- when you</p> <p>20 have a T-tube in, you always leave it in for ten</p> <p>21 days. And the reason that you leave it in is</p> <p>22 because scar tissue forms around the duct, very</p> <p>23 thick scar tissue forms around the duct, because</p> <p>24 it's a foreign body, and the body reacts negatively</p>
<p>Page 98</p> <p>1 MR. DAPORE: Dr. Bassin, my name is Tony</p> <p>2 Dapore and I represent Dr. Ponsky. I have no</p> <p>3 questions.</p> <p>4 THE WITNESS: Okay.</p> <p>5 MR. BECKER: Off the record.</p> <p>6 -----</p> <p>7 REDIRECT EXAMINATION</p> <p>8 BY MR. BECKER:</p> <p>9 Q Doctor, I have a few more questions for</p> <p>10 you on redirect examination. There was some</p> <p>11 discussion about this initial cholecystectomy being</p> <p>12 a difficult one, and then there was some talk by you</p> <p>13 in reference to the pathology.</p> <p>14 First of all, what did you mean by this</p> <p>15 surgery being difficult?</p> <p>16 A Well, there are all degrees of</p> <p>17 gallbladder removal. Some patients, you get in and</p> <p>18 it -- and it -- there's no scarring at all and you</p> <p>19 just make a little incision around, it just pops</p> <p>20 right out. This was one of those that was more</p> <p>21 difficult than that in that there was scarring, you</p> <p>22 had to take it out. But this was a typical</p> <p>23 gallbladder that you operate on for cholecystitis or</p> <p>24 inflammation of the gallbladder. There was scarring</p>	<p>Page 101</p> <p>1 to a foreign body.</p> <p>2 Q You said the duct, Doctor. You meant the</p> <p>3 T-tube?</p> <p>4 A Oh, I'm sorry. Around the T-tube. I'm</p> <p>5 sorry.</p> <p>6 Q Okay.</p> <p>7 A So, therefore, this scar tissue has</p> <p>8 formed around here, and it's actually like a tube of</p> <p>9 scar tissue. So that when you pull this tube out,</p> <p>10 it comes through this long tube of scar tissue, and</p> <p>11 then it's -- when the tube comes out, this scar</p> <p>12 tissue closes down right here and it all closes</p> <p>13 off. So that's why the opening closes. There's no</p> <p>14 obstruction to flow coming down, so the bile goes</p> <p>15 down this way, and this scar tissue which is formed</p> <p>16 just closes down. Sometimes we'll remove a T-tube,</p> <p>17 and in three or four hours, there'll be no bile</p> <p>18 draining. The scar tissue just goes boom and</p> <p>19 closes.</p> <p>20 Q Now, Doctor, when a surgeon places a</p> <p>21 T-tube after there's been a significant injury, how</p> <p>22 long is that T-tube generally kept in?</p> <p>23 A Oh, months.</p> <p>24 Q Why is that, Doctor?</p>
<p>Page 99</p> <p>1 and there was inflammation, but the pathology report</p> <p>2 showed it was just mild inflammation. So this --</p> <p>3 this was a typical gallbladder that general surgeons</p> <p>4 do all the time.</p> <p>5 Q How reliable, Doctor, is the -- the --</p> <p>6 the gross pathology in this case to depict what the</p> <p>7 scene was like for the surgeons?</p> <p>8 A Very reliable. The pathologist has the</p> <p>9 gallbladder in his hand and can tell you what it was</p> <p>10 like.</p> <p>11 Q Doctor, defense counsel has asked you to</p> <p>12 assume that there was actually a cystic artery stump</p> <p>13 left. Do you have an opinion whether there was, in</p> <p>14 fact, a cystic artery stump left after the avulsion?</p> <p>15 A Oh, I -- I have an opinion that there was</p> <p>16 not. It was avulsed off. They don't talk about in</p> <p>17 their operative report that they had a stump which</p> <p>18 they clamped and ligated. They put stitches in, and</p> <p>19 then they had to ligate the -- the cyst -- the</p> <p>20 hepatic artery, so there was no stump left.</p> <p>21 Q Doctor, there was some discussion earlier</p> <p>22 about the fees that you have earned over the years</p> <p>23 doing medicolegal work. I don't recall whether it</p> <p>24 was 10 or 20 years of doing this, but what -- what</p>	<p>Page 102</p> <p>1 A Well, because you need the T-tube in</p> <p>2 there so that you can evaluate the -- to see if a</p> <p>3 stricture develops. And then if a structure does</p> <p>4 develop, you have it in there to drain the area so</p> <p>5 that you don't get increased pressure.</p> <p>6 Q Speaking of the T-tube, Doctor -- and</p> <p>7 there were some questions by the hospital counsel on</p> <p>8 behalf of the residents -- you indicated that the</p> <p>9 residents had some responsibility relative to</p> <p>10 recommendation on the T-tube; is that correct?</p> <p>11 A Oh, yes. The resident should have</p> <p>12 recommended to Dr. Aszodi that he place a T-tube in</p> <p>13 the common duct because there was a tear in the</p> <p>14 common duct.</p> <p>15 Q Okay. And -- and what is the direct and</p> <p>16 proximate result of the failure to place that</p> <p>17 T-tube?</p> <p>18 A Well --</p> <p>19 MS. REINKER: Objection.</p> <p>20 A -- by not placing the T-tube --</p> <p>21 MR. GROEDEL: Objection.</p> <p>22 A -- they allowed the infection to continue</p> <p>23 and they delayed the surgery that Dr. Eisenstat</p> <p>24 would have performed. And had a T-tube been left in</p>

Page 103

1 place, it's my opinion the surgery could have been  
 2 done earlier, it would have been easier, and the  
 3 patient would have survived.  
 4 Q. Doctor, finally, referring to  
 5 Dr. Eisenstat's surgery, which occurred in -- I  
 6 believe in mid 1992, would that surgery have ever  
 7 been necessary had the appropriate things, as you've  
 8 earlier outlined, taken place?  
 9 A. It would never have been necessary, and  
 10 because -- if it was done properly, the patient  
 11 wouldn't need the surgery. And also, if they hadn't  
 12 injured the hepatic artery during the surgery, that  
 13 is, tied it off, it's my opinion that  
 14 Dr. Eisenstat's surgery would have been successful,  
 15 because by injuring the hepatic artery, the liver  
 16 had less blood supply, which decreased the healing  
 17 capacity of the liver and the body itself and the  
 18 anastomosis.  
 19 MR. BECKER: That's all I have.  
 20 -----  
 21 RE-CROSS-EXAMINATION  
 22 BY MS. REINKER:  
 23 Q. Would you take a look at the operative  
 24 note, please, Doctor, the -- from the first surgery

Page 104

1 on October 1.  
 2 A. Yes.  
 3 Q. Now, at the very bottom of that note,  
 4 Doctor --  
 5 A. Which page?  
 6 Q. The first page. I'm sorry.  
 7 -- there's an indication that the reason  
 8 Dr. Aszodi had to convert from a laparoscopic  
 9 cholecystectomy to an open cholecystectomy was  
 10 because of the tremendous amount of inflammatory  
 11 reaction about the gallbladder. Do you see those  
 12 words?  
 13 A. Yes.  
 14 Q. And you are aware that when Dr. -- when  
 15 the open procedure was done, Dr. Aszodi used what's  
 16 called a retrograde approach --  
 17 A. Yes.  
 18 Q. -- which was done because of the  
 19 inflammatory reaction, correct?  
 20 A. That's typical, yes.  
 21 Q. You had the pathology report on the  
 22 gallbladder before your deposition was given last  
 23 week, right?  
 24 A. Yes.

Page 105

1 Q. And at your deposition, you testified  
 2 that you still hold the opinion that this was a very  
 3 difficult dissection, correct?  
 4 A. Yes.  
 5 Q. Doctor, did you tell us that the amount  
 6 of money you've earned from medical malpractice  
 7 testifying is about 10 percent of your income?  
 8 A. I said less than 10 percent.  
 9 Q. Okay. So that \$1 million might be about  
 10 10 percent of your income over the years?  
 11 A. It might be, yes.  
 12 Q. \$10 million might be your income?  
 13 A. It might be, over ten or so years.  
 14 MS. REINKER: Thank you, sir.  
 15 MR. GROEDEL: Off the record.  
 16 No questions.  
 17 MR. BECKER: No further questions. Thank  
 18 you, Doctor.  
 19 (Signature waived)  
 20 -----  
 21 Thereupon, the aforementioned proceedings  
 22 concluded at 12:30 p.m.  
 23 -----  
 24

Page 106

1 State of Ohio : CERTIFICATE  
 2 County of Franklin :  
 3 I, Sharon T. Pontius, a Registered Merit  
 4 Reporter and Notary Public in and for the State of  
 5 Ohio, do hereby certify the within-named RICHARD  
 6 BASSIN, M.D., was by me first duly sworn to testify  
 7 to the whole truth in the cause aforesaid; testimony  
 8 then given was by me reduced to stenotypy in the  
 9 presence of said witness, afterwards transcribed by  
 10 me; the foregoing is a true and correct transcript  
 11 of the testimony so given; and this deposition was  
 12 taken at the time and place as specified on the  
 13 title page.  
 14 I do further certify I am not a relative,  
 15 employee or attorney of any of the parties hereto,  
 16 and further I am not a relative or employee of any  
 17 attorney or counsel employed by the parties hereto,  
 18 or financially interested in the action.  
 19 IN WITNESS WHEREOF, I have hereunto set my  
 20 hand and affixed my seal of office at Columbus,  
 21 Ohio, on November 1, 1995.  
 22  
 23 Sharon T. Pontius, RMR, Notary Public - State of  
 24 Ohio. My Commission expires March 13, 1996.

Page 107

I N D E X	
	Page No.
2 Examination	5
3 Mr. Becker - Direct	5
4 Ms. Reinker - Cross	60
5 Mr. Groedel - Cross	92
6 Mr. Becker - Redirect	98
7 Ms. Reinker - Recross	103
8 Plaintiff's Exhibit No.	Page No.
9 1 - T-tube	32
10 (Exhibit retained by Mr. Becker)	
11	
12	
13	
14	
15	
16	
17	
18	
19	
20	
21	
22	
23	
24	

614-224-0900