

1 State of Ohio,)
2) SS:
3 County of Cuyahoga.)

4 IN THE COURT OF COMMON PLEAS

5 THOMAS M. GILBERT, etc.,)
6)
7 Plaintiff,)
8 vs.) Case No. 374485
9) Judge Coyne
10 EMAD DEAN NUKTA, M.D.,)
11 et al.,)
12)
13 Defendants.)

14 DEPOSITION OF WILLIAM B. BAUMAN, M.D.
15 Wednesday, February 9, 2000

16 The deposition of WILLIAM B. BAUMAN, M.D., a
17 witness, called for examination by the Plaintiff
18 under the Ohio Rules of Civil Procedure, taken
19 before me, Diane M. Stevenson, a Registered Merit
20 Reporter, Certified Realtime Reporter, and Notary
21 Public within and for the state of Ohio, by
22 agreement of counsel, at the offices of William B.
23 Bauman, M.D., 55 Arch Street, Akron Ohio,
24 commencing at 6:00 p.m., the day and date above
25 set forth.

STEVENSON REPORTING SERVICE, INC.

12500 Edgewater Drive, Suite 904

Lakewood, Ohio 44107

Phone (216) 221-0140

Fax (216) 228-7468

1 APPEARANCES:

2 On behalf of the Plaintiff:

3 -George E. Loucas, Esq.

4 George E. Loucas Co., LPA

600 Standard Building

Cleveland, Ohio 44113

5 , - and

6 Francis E. Sweeney, Jr., Esq.

323 Lakeside Avenue, N.W., Suite 450

Warehouse District

7 Cleveland, Ohio 44113

8 On behalf of the Defendant

9 Emad Dean Nukta, M.D.:

10 Richard A. Vadnal, Esq.

11 Reminger & Reminger Co., LPA

The 113 St. Clair Building

12 Cleveland, Ohio 44114

13 On behalf of the Defendant

14 Fairview General Hospital:

15 Susan R. Massey, Esq.

Moscarino & Treu, L.L.P.

The Hanna Building

16 1422 Euclid Avenue, Suite 630

17 Cleveland, Ohio 44115

18 - - -

WILLIAM B. BAUMAN, M.D.

A witness, called for examination by the Plaintiff, under the Rules, having been first duly sworn, as hereinafter certified, was examined and testified as follows:

CROSS-EXAMINATION

BY MR. LOUCAS:

Q. Good evening, Doctor, we have just been introduced. My name is George Loucas, as you now know, and my partner over here is Skip Sweeney. We are going to be asking you questions about the case in which you have been asked to consult.

I take it you have had your deposition taken before?

A. Yes.

Q. So you know the rules?

A. Yes.

Q. Generally speaking?

A. Yes.

Q. I am going to ask you, please, to of course respond to all the questions verbally. But, most importantly, if you think that I am using a word that you think has a different definition or something, please stop me, let me know, so that we can get on the same playing field, if you

1 will. If my question doesn't make any sense, ask
2 me to rephrase or repeat, and I think things will.
3 go much more smoothly.

4 As you know, the goal of my deposition is to
5 find out each and every opinion you will be
6 providing at trial so as not to be sandbagged or
7 surprised at trial. You are aware of that?

8 A. Yes, I am.

9 Q. Doctor, would you please define interventional
10 cardiology for me.

11 A. Interventional cardiology is the practice of
12 cardiology whereby therapeutic maneuvers are
13 performed in an effort to correct a specific
14 cardiac problem.

15 Q. As opposed to clinical cardiology, which would be
16 what?

17 A. Clinical cardiology has to do with the diagnosis
18 and treatment of specific cardiac disorders
19 without specifically intervening in a surgical or
20 a mechanical way.

21 Q. You used the term on the former definition for
22 interventional cardiology, therapeutic measures,
23 and you just said surgical or what was the other
24 term you used, surgical or --

25 A. Therapeutic, I think.

I
1 (I. So can you give me some examples of surgical or
2 therapeutic measures for interventional
3 cardiology?

4 A. One of the most common therapies or intervention
5 performed in interventional cardiology is balloon
6 angioplasty.

7 Q. What about angiography, is that considered part
8 of interventional cardiology?

9 A. Interventional cardiology does encompass
10 angiography or the injection of x-ray dye into
11 blood vessels, but many cardiologists who are
12 not, quotes, "interventional cardiologists" also
13 perform angiography.

14 Q. Is angiography, though, considered, regardless of
15 whether you are a clinical or an interventional
16 cardiologist, is that a therapeutic measure?

17 A. Angiography is not a therapeutic, it is a
18 diagnostic measure.

19 Q. But does it fall within that definition of
20 interventional medicine meaning you are
21 intervening the body with a catheter and
22 introducing a foreign substance, namely, a
23 contrast material, into the heart?

24 A. Not in the usual way that cardiologists would
25 refer to interventional. Interventional is the

1 intervening or the giving of specific therapy
2 when you are doing the procedure

3 Q I see we have come to a crossroad because you
4 are saying that the introduction of a contrast
5 material into the coronary arteries is diagnostic,
6 and yet it is still a measure of intervention.

7 So how would you reconcile that?

8 A. You may be confusing invasive cardiology versus
9 interventional cardiology. So invasive
10 cardiology would be the diagnostic testing that
11 encompasses angiography And, as you state, to
12 perform angiography, you do have to place a
13 catheter within a blood vessel and the injection
14 of x-ray dye to take a picture So that is an
15 invasive procedure.

16 Q. That would be invasive, not interventional?

17 A. That's correct.

18 Q. Intervention would be related solely to
19 angioplasty or placement of a stent for
20 instance?

21 A Balloon angioplasty, dilating of a coronary
22 vessel, balloon valvuloplasty, where you would
23 open valves that are narrow. would be considered
24 interventional cardiology

25 Q. How were you contacted and when in this case?

1 A. I was contacted by Mr. Meadows back in 1997.

2 Q. How did he contact you?

3 A. I believe he called the office. I had no prior
4 dealings with Mr. Meadows.

5 Q. Did you speak with him yourself?

6 A. I think I did. I don't recall specifically.

7 Q. What information was given to you at that time?

8 A. The usual situation would be that the law firm,
9 in this case Reminger & Reminger, calls and talks
10 to my secretary and asks if I would be willing to
11 review a case.

12 And then, depending on the time line, I may
13 say yes or no. In this case I said yes, I would
14 review the case.

15 Q. What time line are you referring to?

15 A. Well, I guess what I am saying is that if it
17 needs to be done within a week and I don't have
18 the time available in a week, i would say no. If
19 it is a case that is likely to proceed in the
20 usual fashion, take a number of months or perhaps
21 years, most of the time I would review those.

22 Q. What information did you receive?

23 A. I received --

24 Q. Before you even go through that pile I see in
25 front of you, does this represent your entire

1 file?

2 A. Yes.

3 Q. I don't see any correspondence from this vantage
4 point,. Where would that be, if any?

5 MR. VADNAL: There **is** some in
6 there.

7 Q. Do you have the contact letter with what was sent
8 to you?

9 A. You know, I don't have that, and I didn't even
10 have a copy **of** my report, and in part related to
11 If the fact that the case was dismissed and then it
12 was reopened. **so** I have not been able to
13 resurrect those files. I probably have them
14 somewhere in my office, but I haven't been able
15 to find them.

16 Q. Do you recall whether recitation of the facts was
17 sent to you for your review?

18 A. You will have to clarify what that is.

19 Q. Like a summary of the case, chronological time
20 line, something like that.

21 A. I don't know if it was sent or not.

22 Q. I would like to know what you first reviewed,
23 like in the first batch **of** materials.

24 A. **As** I recall, I reviewed the hospital records.

25 Q. And do you have that chart in front **of** you,

1 please?

2 A. Yes.

3 Q. Did you review Dr Selvaraj's office chart?

4 A. If it is in there, I did. Yes.

5 Q. Did you find anything there that indicated she
6 received inappropriate care, or anything to that
7 extent, or something that was not done that
8 perhaps should have been done?

9 A. Let me just clarify. I reviewed that particular
10 chart in 1997, so I did not rereview it for this
11 particular deposition

12 Q. Did you review the care rendered to Mrs Gilbert
13 at Lutheran?

14 A. Yes. I did.

15 Q. I take it you had no criticisms of that care?

16 A. I don't recall that I had any criticisms of that
17 care.

18 Q. What were you asked to do in the case, specifically?
19

20 A. I was asked to review the entire case, but
21 specifically the complication that occurred
22 leading to aortic dissection.

23 Q. As best you can recall, and I may have already
24 asked you this, the first group of material you
25 received, was it just this chart, or was there

1 anything else at that time, as well?

2 A. I believe the first thing I received was the
3 chart.

4 Q. Now about the tapes of the procedures themselves?

5 A. I met with Mr. Meadows after I had reviewed the
6 chart, and we reviewed the videotapes, the tapes
7 and also the films, actually.

8 Q. Was this all prior to you producing this report
9 of December 23?

10 A. I don't know whether I met with Mr. Meadows
11 before or after that. I know I did review the
12 videotapes before I prepared the report, but I am
13 not sure.

14 Q. Your report indicates that you reviewed the
15 videotape in preparation for writing this report.
16 So may I safely assume that you read the chart,
17 reviewed the tapes, and then met with Mr. Meadows
18 and then wrote the report?

19 A. That is probably the way it worked out, but it
20 has been a number of years. I met with
21 Mr. Meadows once, I am sure of that.

22 Q. After you reviewed the hospital chart, did you
23 arrive at any preliminary opinions as to whether
24 or not the defense of Dr. Nukta had merit?

25 A. Yes, I thought it had merit, yes.

- 1 Q. What was the basis at that point, not before you
2 sat down with Mr. Meadows, but at that point,
3 just based upon the hospital record?
- 4 A. Well, as you stated, I am not sure that I
5 reviewed the chart separately and then the
6 videotape. I probably did them in concert before
7 I did the report.
- 8 Q. But I wanted to know after you just reviewed the
9 chart, you said that you arrived at an opinion
10 that his defense had merit, and I want to know
11 what was in this chart alone after seeing the
12 videotapes which led you to that opinion?
- 13 A. As I said I think before I wrote the opinion and
14 did the letter I think I did review the
15 videotapes.
- 16 Q. In the material that was sent to you, did you
17 request additional information before arriving at
18 an opinion?
- 19 A. The only additional information that we talked
20 about was actually looking at the films, and I
21 don't recall whether I looked at the films before
22 the videotape or after the videotape. But I did
23 review both the film and the videotape.
- 24 Q. You mean the cine. film?
- 25 A. The cine. film.

1 Q. I know that they were just brought down here
2 recently because they went over to Kris Treu's
3 office and then down here. So I take it you
4 reviewed them at some point in the last week?

5 A. I reviewed the cine. films about a half an hour
6 ago, 45 minutes ago, today.

7 Q. And you said you reviewed them before, as well?

8 A. Yes, I reviewed them back in 1997.

9 Q. When would you have reviewed them at that time?

10 In other words, under what circumstances would
11 you have reviewed the films at that time, or 'if
12 you can just help me out here?

13 A. The films I remember because the films were
14 damaged because a number of other people had
15 looked at the films. And I had one of our
16 technicians at the hospital actually repair the
17 film. And I reviewed it at the hospital.

18 Q. Did you review it with the chart near you or the
19 VHS tapes or by themselves?

20 A. I don't recall.

21 Q. Did you review them in the company of Mr. Meadows?

22 A, I don't believe I reviewed the cine. films with
23 Mr. Meadows. We did review the videotape.

24 Q. Did you review the cine. films before the
25 videotapes?

1 A. I don't recall which way it worked, but I
2 reviewed both of them.

3 Q. Did you need to review both before you arrived at
4 your opinions?

5 A. I don't recall whether I wrote the opinion before
6 or after I reviewed both of the videos, the
7 videotape and the catheter films.

8 Q. Well, when you were reviewing the case initially,
9 did you find yourself saying, "You know, I would
10 like to look at something else?" Did you arrive
11 at that opinion at all?

12 A. No.

13 Q. Would you have been able to arrive at your
14 opinions looking at just the cine. films without
15 the VHS tape?

16 A. It was my understanding that the VHS tape was a
17 copy of the cine. films.

18 Q. That is accurate. So your answer is you didn't
19 need just the cine. films to form your opinions
20 or --

21 MR. VADNAL: Well, what is the
22 question? Can you restate the question again
23 because I am not --

24 Q. I wanted to know whether or not you needed the
25 cine. films or the VHS tapes to form your opinion,

1 or is one satisfactory in forming your opinion?

2 A. The VHS tape and the cine. films share the same
3 information. The VHS tape is not a high quality
4 -- is not as high quality as the cine.. films.

5 Q. And between the cine. films and the VHS, first of
6 all, were you still able to see on the VHS tapes
7 everything that you were able to see on the cine.
8 films for purposes of forming your opinion in
9 this case?

10 A. In a general way, yes.

11 Q. What, specifically, were you not able to form an
12 opinion about where you had to go to the cine.
13 films?

14 A. The cine. films are a better quality, so there
15 may be some loss of information on the videotape
16 that you would pick up on the cine. films.

17 Q. Well, we are specifically talking here about two
18 RCA dissections and an aortic dissection. Was
19 there any loss of the quality that you are
20 talking about that you noticed in the VHS between
21 that and the cine. film?

22 MR. VADNAL: I am going to object.

23 MR. LOUCAS: Okay.

24 MR. VADNAL: I think he has
25 answered it already.

1 A. VHS tape does not have the same amount of
2 information as the cine. film. The cine. film
3 has a higher quality image. So, by definition,
4 the cine. film is better than a VHS tape.

5 Q. All I want to know is whether or not you were
6 able to provide all of the opinions you have in
7 this case based upon your review of this VHS. In
8 other words, are you going to walk into the
9 courtroom and say, "Well, you aren't able to see
10 something on the VHS that you can see on the
11 cine."?

12 A. I really don't know. You would have to show me A
13 and B and ask me, "Can you see it on A and not on
14 B?" I really don't know how to answer that.

15 2. Well, with regard to visualizing the film on the
16 VHS in an effort to formulate your opinions about
17 the merits of Dr. Nukta's defense, were you able
18 to see everything that you needed to see on the
19 VHS tape?

20 A. If you could be more specific and ask me what I
21 need to see, I could answer your question. But
22 just in a general way, I can't answer the
23 question.

24 Q. Well, that goes back to my original question.
25 Was there anything that you were looking for in

I) 1 arriving at your opinions on the VHS tape that
2 you were unable to find and had to go to the
3 cine. film? For instance, laying the stent,
4 deployment of a stent, or a dissection, we have
5 1 two in the RCA and one in the aorta.

6 A. You can see the dissection in the cine. film, and

8 Q.

9
10
11 had to go to the cine. film to see?

12 A. I am not sure what you are driving at. There is
13 always more information on the cine. film.

14 Q. And in this case what information -- you said
15 "always." Therefore, I am assuming that means
16 in this case, as well, there is more information
17 in the cine. So what additional information were
18 you able to glean from the cine. over the VHS
19 tapes?

20 A. I guess what I am saying is information. There
21 are always more data points, if you will, on the
22 cine. film.

23 Whether it makes a difference in this
24 particular case or not, I don't know. You will
25 have to specifically address an issue on the VHS

1 tape versus an issue on the cine film.

2 What is a data point?

3 A I guess what I am saying is that when you have
4 cine film tapes are more pieces of information
5 available on cine film.

6 Q. Cine films are available to the operator in the
7 surgical suite, correct?

8 A. They are available after the case is finished.
9 They have to be developed.

10 Q The field of vision, if you will, between the
11 cine film and the VHS was identical, or was
12 there more of a view in the operative field in
13 the cine film versus the VHS? And if you need
14 to take a look, please do so.

15 A I would have to take a look to see

16 Q. I would ask you to take a look and see whether
17 they are the same or whether the VHS made it a
18 smaller visual field than the cine.

19 A e can do that.

20 MR VADNALL: I guess for point of
21 clarification, I mean, do you want him to look at
22 the whole tape on the VHS and then look at the
23 whole tape with the cine, or are you asking
24 about a specific point in time?

25 Q One of the issues here, and I want to talk about,

1 for instance, standard of care of having to keep
2 this catheter -- and I forget the name of the
3 catheter that was used to deploy the second
4 transport stent. Was it a Judkin's?

5 A. No, it was an Amplatz.

6 Q. That one is known to have an increased likelihood
7 of causing a dissection. Do you agree with that
8 opinion or not?

9 A. Yes, it does.

10 Q. Therefore, would you agree that there is a duty
11 or a responsibility on the part of the operator
12 to monitor for that complication when using that
13 catheter as a result of that increased likelihood
14 of a tear being caused?

15 MR. VADNAL: Objection.

16 A. The operator has to monitor for dissection
17 regardless of what catheter he is using.

18 Q. This Amplatz catheter, is it known to specifically
19 cause increased likelihood of tear at the
20 junction of the right coronary artery with the
21 aorta?

22 A. No.

23 Q. Where is it known to cause increased likelihood
24 of tear?

25 A. Usually it is in the coronary artery itself.

1 Q. How about with the ostium and gaining access to
2 the RCA? Let me finish the question. would
3 there be an equal likelihood of increased
4 incidence with use of that catheter at that area
5 versus the artery itself?

6 A. Restate your question. I don't know if I
7 understand.

8 Q. You said typically that catheter is associated
9 with an increased incidence of complication in
10 the RCA itself, correct?

11 A. Yes.

12 Q. Is that increased incidence associated not only
13 within the RCA but at the ostium, as well?

14 A. Well, in order to do a cardiac catheterization,
15 an angioplasty or a simple angiography, you have
16 to place the catheter into the right coronary
17 artery in this case. No matter which catheter
18 you use, there is always a risk that you can have
19 a dissection.

20 Q. But then would you define for me how it is that
21 this catheter possess an increased risk?

22 A. This particular catheter has a tendency when you
23 turn the catheter into the right coronary artery
24 to advance down the coronary artery more so than
25 a Judkin's catheter, which tends to stay more at

1 the ostium or the opening of the coronary artery.
2 Q. So how is it, based upon the dynamics of what you
3 just explained, that it has an increased risk of
4 causing a tear?

5 A. It has an increased risk of causing a tear
6 compared to other catheters because of the
7 tendency of the catheter to, quote, "dive" into
8 the right coronary artery.

9 Q. So then does it still cause an increased risk at
10 the junction of the RCA with the aorta and the
11 ostium?

12 A. Not usually.

13 Q. Is it lesser?

14 A. I don't think there is enough information out
15 there where you could say that.

16 Q. Nevertheless, the standard of care still requires
17 observation or monitoring of that area, the
18 ostium, as well, for a dissection?

19 A. When one performs cardiac catheterization, you
20 monitor the position of the catheter visually,
21 and you also monitor the position of the catheter
22 by the pressure curve that one records from the
23 tip of the catheter.

24 Q. Where would that be recorded? Is that on a
25 monitor, or is that recorded, as well, or where

1 is that?

2 A. When one is performing the cardiac catheterization,
3 you are looking at an x-ray monitor to see where
4 the catheter is in the x-ray field, and you are
5 also looking at a hemodynamic monitor which
6 measures the blood pressure from the tip of the
7 catheter.

8 Q. What is it about the pressure that you monitor
9 from the tip of the catheter with regard to
10 preventing this complication? How does that
11 work?

12 MR. VADNAL: Objection. Go
13 ahead.

14 A. The pressure curve gives you an idea of whether
15 the end of the catheter is within the lumen or
16 the opening of the coronary artery or whether the
17 tip of the catheter is against the wall of a
18 vessel, whether it be the aorta or the coronary
19 artery.

20 Q. And where is it supposed to be?

21 MR. VADNAL: Objection. Go
22 ahead.

23 A. You want to position the catheter within the
24 opening of the coronary artery.

25 Q. There has been reference made to a sinus

1 injection. What is a sinus injection?

2 .. The aorta, large blood vessel from the heart, has
3 - three sinuses, and the sinuses are cul-de-sacs,
4 if you will. The coronary arteries come off of
5 the coronary sinuses.

6 So when you do a sinus injection, you inject
7 contrast out of the tip of the catheter into the
8 sinus without the catheter being engaged in the
9 coronary artery.

10 J. So that means that the tip of the catheter would
11 be up against the wall of the aorta, within the
12 cul-de-sac, or otherwise?

13 A. No.

14 Q. It would be -- I am sorry.

15 4. It would be free within the aorta within the
16 sinus.

17 Q. So the pressure curve would not be affected?

18 A. The pressure curve -- you would not do the
19 injection if the pressure curve wasn't correct.

20 Q. So what if the tip of the catheter is in a flap,
21 would that affect the pressure curve?

22 A. You have to define what **you** mean by "flap."

23 Q. I don't know if you noticed, I am sure you have
24 now, it has been the entire point of contention,
25 but eventually in the film of September 14 there

1 appears to have been a flap that was lifted, the
2 intima from the media.

3 A. Right.

4 2. And did you notice whether or not at any point in
5 time whether an injection was made on that film
6 when the tip of the catheter was within that
7 flap?

8 A. When one looks at the cine. film or the
9 videotape, **you** are looking at a two dimensional
10 view. It is impossible to exactly pinpoint
11 whether the catheter is within the dissection
12 flap or behind it or in front of it or adjacent
13 to it. It is very hard to tell that.

14 Q. Visually?

15 A. Visually.

16 Q. That is why I asked how it would show up on a
17 pressure curve when something like that happens.

18 A. You could have a catheter within a dissection and
19 have a normal pressure unless the catheter is
20 against the wall.

21 Q. So excuse this archaic kind of a thing, if this
22 is the flap being lifted, (indicating), and the
23 catheter tip goes down into the flap, and this is
24 the media, this is the intima, and it is down
25 here, would that affect the pressure, more likely

than not?

A Again, if the end of the catheter is against the wall, then it would likely affect the pressure

Q. I know that for the 4KG portion of that monitor there are tracings that can be made

A. Yes

Q. Would those tracings reflect the pressure, as well?

A. The only time tracings are really made during the catheterization is when the physician asks the technician to record a tracing, for instance, a tracing in the aorta to record the blood pressure, a tracing within the left ventricle to record the pressure in the left ventricle. But there isn't a tracing that is made in a continuous fashion during the whole catheterization.

Q. But my question was the tracing itself, would that reflect the pressure, the pressure curve that we just talked about, from the tip of the catheter?

A. A tracing or a recording of the pressure would reflect the blood pressure

Q. Forgive my ignorance. So if this monitor is showing the 4KG, it is also showing, as you said,

1 the pressure curve, correct?

2 A. Yes.

3 Q. So that if the physician requests the tracing be
4 made of that, of any moment during the procedure,
5 would then whatever information appears, meaning
6 the EKG and the pressure curve, would that show
7 up on the tracing?

8 A. Usually it would, but different monitoring labs
9 have different recording devices. Most labs
10 record the EKG and the pressure together.

11 Q. Going back to where I was before with the
12 Judkin's catheter, then, despite whether it has
13 an increased likelihood of causing dissection in
14 the RCA itself or at the junction, there is still
15 a duty or a responsibility to monitor that area,
16 It correct, meaning the ostium as well as the RCA?

17 MR. VADNAL: Objection.

18 A. You are referring to the Amplatz or the Judkin's?

19 MR. VADNAL: Amplatz.

20 Q. Amplatz. Thank you.

21 A. Can you restate the question?

22 Q. I just wanted to know whether or not there was a
23 duty to see the whole picture, meaning not only
24 the catheter in the RCA, but where it is at the
25 junction, too, of the RCA where it is coming

1 around the bend from the aorta into the RCA?

2 MR. VADNAL: Objection.

3 A. The standard of care is to monitor visually and
4 also monitor the pressure while you are
5 performing the catheterization procedure. That
6 is standard.

7 Q. So that the answer to the question would be yes,
8 then?

9 A. The answer to the question is, as I stated, it is
10 the standard of care to monitor both visually and
11 also your pressure when you perform the cardiac
12 catheterization.

13 Q. My question, though, was whether it was
14 appropriate to keep within the visual field not
15 only of the RCA itself but the junction, you
16 know, the right coronary ostium where that
17 catheter is coming around the bend and getting
18 into the RCA?

19 A. Oh, I see. When you are performing a cardiac
20 catheterization and you are moving the tip of the
21 catheter, you have to look at the tip of the
22 catheter and keep that in your field of vision.

23 Not uncommonly when you are performing
24 interventions you are working at a different area
25 of the coronary artery, and it is impossible to

1 keep everything in the field of vision.

2 Q So I guess the answer is no, then, there is no
3 - way, then, to keep both the junction of the RCA
4 where the catheter is engaging the RCA with the
5 artery is it?

6 A. I didn't say that. I said it is impossible in
7 certain cases to monitor where you are working in
8 the coronary artery. Which may be at the bottom
9 section of the heart, with where the guiding
10 catheter is, which may be within the coronary
11 artery at the top section of the heart. It may
12 be impossible to fit that all in the field of
13 vision.

14 Q. Are you able to give me a distance as to what
15 field of vision is provided, meaning, is it like
16 ten centimeters or would it be five centimeters,
17 or how much of the area of the anatomy that you
18 are able to see?

19 A. Well, it varies from cath. lab to cath. lab and
20 it also varies depending upon the level of
21 magnification you are using during the procedure.

22 Q Generally, for latex's purposes, are you able to
23 give an idea of what the field of vision is? I
24 mean, is it yay big (indicating) or as big as a
25 baseball?

1 A. In general terms, when you are working on the
2 coronary arteries, you have a magnified view to
3 give you a clear look at a very small artery two
4 or three millimeters in diameter.

5 When you are honing in on this very small
6 artery, you don't have the entire heart in the
7 picture.

8 Q. How does this magnification take place?

9 A. There is a switch.

10 Q. So it is up to the operator whether or not to
11 magnify?

12 A. Yes.

13 Q. And the VHS tapes and the ciné films, do those
14 show magnification or not?

15 A. Those are recorded in the usual coronary
16 arteriogram magnified mode.

17 Q. Is that separate from the switch, then or is
18 that additional magnification?

19 A. It is magnification. They are magnified views.

20 Q. But is there another switch, or does the operator
21 have the ability to obtain additional magnifica-
22 tion of these two to three millimeter arteries,
23 if necessary?

24 A. Again, it depends on the catheter

25 Q. These films, are they true to life size, or do

1 these show magnified arteries of Janice Gilbert?

2 A. They are magnified,

3 Q. Based upon your lab, for comparison sake, did it
4 appear as though the magnification potential of
5 your lab is greater than what you saw on these
6 films?

7 A. In general terms, no, it is very similar.

8 Q. It is impossible to have it all within the visual
9 field. How about in the instance of Janice
10 Gilbert at or about the time that the second
11 stent was being attempted to be placed, was it
12 appropriate not to have that within the field of
13 vision or not?

14 MR. VADNAL: Objection,

15 A. In this particular patient's situation, when one
16 is working on a specific blockage angioplasty
17 deploying a stent, one usually puts that
18 particular area in the center of the field.

19 Q. And then does one attempt to keep the junction of
20 the RCA, the ostium, within the field, as well?

21 A. It may be within the field, but there is no
22 obligation to keep that in the field of vision.

23 Q. You used the word "deployed." I have learned
24 since coming aboard on this case that getting a
25 stent out there is different than plastering it

1 up against the wall, right?

2 Are there two different terms **for** getting a
3 stent **up** to the dissection itself versus using
4 the high pressure balloon to put it in place?.

5 A. When this case took place, there was a
6 difference. Now, present day, in the year 2000,
7 it is **all** done as one maneuver.

8 Q. **So** if you say deployment now, then that is the
9 whole act **of** getting it into the right location
10 and putting it into place?

11 MR. VADNAL: Objection. **Go**
12 ahead.

13 A. Presently, today, most **of** the time the stent is
14 delivered on a balloon, the balloon expands the
15 stent, and you take the balloon to a high enough
16 pressure to make sure that is firmly engaged into
17 the wall of the artery.

18 Q. Whereas before, in Janice Gilbert's era, as a
19 matter of fact with Janice Gilbert, how did it
20 happen?

21 A. The type of stent that was being used with Janice
22 Gilbert was delivered with a sheath, that is a
23 covering over the top of the stent. The covering
24 had to be removed. The balloon was inflated **to**
25 stretch the stent, and then the guide wire was

1 left with in st Sheath's system was
2 removed, and a balloon was then -- a different
3 balloon was then passed over the guide wire into
4 the stent to completely expand the stent against
5 the walls of the artery.

6 Q. That would be the Cobra high pressure balloon?

7 A. Cobra is one of the balloons that were being used
8 in 1997, yes.

9 Q. Do you know which balloon was used here?

10 A. I would have to look and see which one it was.

11 Q. So how do you remove the sheath? In other words,
12 the balloon is -- and back then would it still be
13 'employed'? Could you still use "deployed" for
14 both of those acts, getting it out there, versus
15 the high pressure balloon, or are those two
16 different words?

17 A. When the stent is expanded with the balloon that
18 comes on the stent, the stent is against the wall
19 of the artery. The idea of using high pressure
20 is to be sure that the stent is fully opposed to
21 the wall of the artery. The dilatation balloon
22 or the delivery balloon may have done that. But
23 just to be sure that the stent is fully expanded,
24 it became the practice to use high pressure
25 dilatation of the stent.

1 Q. So would it be fair to say, then, that in 1995
2 the fact of getting the stent out there would be
3 the delivery of the stent, versus making sure
4 that it is opposed to the walls would be the
5 deployment, or it is not so formal?

6 A. When you deploy the stent, you are expanding the
7 stent, I guess you would say. That is what we
8 call it when we deploy a stent, that means the
9 stent is being expanded.

10 Q. But you said the beginning of expansion occurs
11 even on the first delivery -- on delivery,
12 correct?

13 A. The whole job may be done by simply delivering
14 the stent, deploying the stent. The high
15 pressure is insurance to be sure that the stent
16 is completely expanded.

17 Q. So back in 1995, deployment is the same term,
18 meaning from beginning to end, to getting a stent
19 placed?

20 A. One could use deployment in that sense. You
21 could use it that way.

22 Q. Based on your experience and recollection of that
23 time period, were two words more often than not
24 used, delivery versus deployment, or was it the
25 deployment of the stent?

1 A I don't know.

2 Q Well, there is a lot of talk about deployment of
3 the stent back in 1995. What does it mean to
4 you?

5 A When I say "deployment of the stent," and what it
6 means in our cath. lab is the expansion of the
7 stent within the artery.

8 The delivery of the stent is the process of
9 getting it through the guiding catheter, down the
10 coronary artery, across the area where you want
11 to place the stent. So I would call that
12 delivery of the stent

13 Q Do you have to shoot a film with each of these
14 steps that you are talking about? In other
15 words, you have to deliver it, then you have to
16 remove the sheath, and then you have to stretch
17 with the balloon, right, correct?

18 A. Yes.

19 Q. And then the guide wire is still in. You deliver
20 the Cobra balloon, if that is the first you are
21 using, then the sheath has to be removed?

22 A No, there is no sheath removed

23 Q My question is: Through fluoroscopy is the
24 technique that is used to visualize as you are
25 doing this procedure; is that correct?

1 A. That's correct.

2 Q. With each introduction of each piece of
3 equipment, as this is going on, must you use
4 fluoroscopy to visualize?

5 A. Yes.

6 Q. So then with each one of these steps, for
7 instance, to get the stent down, how many times
8 would one, on average, have to use fluoroscopy to
9 image getting this stent down an RCA?

10 A. Perhaps the words we are using are not correct.
11 Fluoroscopy is the live image that you see while
12 you are working. What is recorded on the cine.
13 film is what you have to record when you push
14 your foot on a pedal to save that piece of
15 fluoroscopy, if you will.

16 Q. So fluoroscopy, though, is the visualization to
17 help you do the procedure?

18 A. That's correct.

19 Q. And that is what we just talked about, you have
20 to use fluoroscopy to visualize each time you
21 want to introduce a piece of equipment or
22 something like that, correct?

23 A. That's correct.

24 Q. Or I guess otherwise you would risk causing a
25 dissection, or something of that sort?

1 MR. VADNAL: Objection.

2 A. You just can't do the procedure unless you are
3 looking at what you are doing.

4 Q. - So to lay a stent in 1995 with Janice Gilbert,
5 for instance, the first stent that was placed
6 here, on average, how many times would you
7 utilize or a physician utilize fluoroscopy just
8 to deliver the first stent?

9 A. I don't understand what you mean by how many
10 times.

11 Q. Well, does it only take one shot to see if you
12 are there? How does a physician actually --

13 A. It is continuous. You have your foot on the
14 pedal, and you are looking on an x-ray screen and
15 you see the patient's heart beating. You see the
16 catheter. You see the guide wire. And you can
17 see movement of the stent as you advance it.

18 Q. Then when would you use the contrast material?

19 A. The contrast material, okay. You would use the
20 contrast material to gain better definition of
21 where you are delivering the stent.

22 Q. So with each of these things that we talked
23 about, sheath delivery of the stent, removal of
24 the sheath, stretching of the balloon, etcetera,
25 would you have to use the introduction of

1 contrast material?

2 A. You would not have to use introduction of
4 contrast material for each step, no.

4 Q. When should you or would you use that?

5 A. You would use contrast material to further define
6 where you are placing the stent,

7 Q. Is it more difficult to place a stent over a
8 stent to deliver one stent through -- to deliver
9 a distal stent over a proximal? Does that make
10 sense?

11 A, It is more difficult to deliver a stent through a
12 stent.

13 Q. Can you tell me how it is more difficult?

14 A. Specifically in this case?

15 Q. Yes.

16 A. In 1995 the stent system that was being used
17 required a sheath or another tube, if you will,
18 to cover the outside of the stent and protect the
19 stent as you delivered the stent, as you moved
20 the stent over the guide wire through the
21 catheter through the artery.

22 In order to deliver the stent downstream
23 from the first stent, you would have to pass the
24 delivery system through the first stent
25 downstream from the first stent and then remove

1 the delivery system back to then be in a position
2 to deploy or expand the second stent.

3 Q. And with regard to the road map of her arteries,
4 meaning whether or not this was on a curve or a
5 bend, or something like that, did that make it
6 more difficult for Janice Gilbert?

7 A. In general, this was not a tortuous or curvy
8 artery to deliver a stent. It was not straight,
9 but it wasn't nearly as tortuous as some arteries
10 when it may be impossible to deliver this type of
11 a stent.

12 Q. ■ at one time in this case was familiar with the
13 types and the various associated terms used to
14 describe the difficulty with which one would
15 approach placing stents or working on arteries.
16 Are you able to do so with hers in this one? In
17 other words, the lesion in her RCA, was it a Type
18 I, II, III, or some other description?

19 A. An A, B or C lesion.

20 Q. A, B or C.

21 A. The lesion itself was not a complex lesion, it
22 was an A lesion.

23 Q. And the likelihood of success of angioplasty of
24 that lesion would be what, or the success rate
25 for that type of a lesion?

1 A. It is high.

2 Q. Are you able to give me a number? Is it above 98
3 percent?

4 A. I don't think it is above 98 percent, but I would
5 say it is 90 percent successful.'

6 Q. Before this case started, I take it *you* had heard
7 of Dr. Cabin?

8 A. Yes.

9 Q. Did you know of him at all before this case?

10 A. I actually knew of him before because one of my
11 patients had a catheterization in New Haven, and
12 he happened to be the physician on the cath. film.
13 That is how I knew.

14 Q. Did you read his deposition prior to today?

15 A. Yes, I did.

16 Q. He said that the field of vision in the surgical
17 suite or to the operator was greater than what is
18 captured on cine. and VHS. Do you agree or
19 disagree with that?

20 A. Actually, what I think he said was the field of
21 vision may have been bigger, may have been
22 larger.

23 Q. That is why I am asking what your opinion is.

24 Why don't you tell me what your experience has
25 been as to whether or not it is the same, lesser

1 or greater than what you capture on cine. or VHS?

2 A. It is not uncommon that what one captures on
3 cine. film is a portion of what the operator sees
4 on live fluoro. So there may have been additional
5 information around the edges of the picture, if
6 you will, that will not show up on the cine.
a film.

8 Q. And as you testified today, the VHS, the clarity
9 of the VHS is less than the cine. and, likewise,
10 the clarity of the cine. is less clear than what
11 actually appears live in the cine. suite; is that
12 accurate?

13 A. No, that is not accurate.

14 Q. What is your opinion on that?

15 A. The clarity, in general, back in 1995, the
16 clarity of the picture is best with cine. film.

17 Q. Why is that?

18 A. It has to do with the physics of recording the
19 information.

20 Q. That the cine. will be better than what the
21 doctor is actually viewing?

22 A. That's correct.

23 Q. Now, is that because of the reproduction from
24 analog or digital?

25 MS. MASSEY: Impressive.

1 A. The cine, film is not recorded on analog or
2 digital. It is directly recorded from a camera
3 as the x-ray penetrates the patient and takes the
4 picture on the cine. film.

5 Q. Have you had occasion to use one or both analog.
6 or digital? Let me ask the question just
7 directly. Which one is better, in your
8 experience, analog or digital?

9 A. Well, you can't answer it simply. And the reason
10 for that is right now most labs don't record
11 cine. film any longer. Most labs record the
12 catheterization in a digital format on a CD. And
13 the quality of the image on the CD digital is
14 very similar to the quality on the film, but not
15 quite as high quality as you get on cine. film.

16 Q. What are you all using in your lab?

17 A. We have a digital lab.

18 Q. How long have you been using a digital lab?

19 A. Probably about four years.

20 Q. So 1995 would have still been analog?

21 A. The term "analog" usually refers to the VHS
22 recording system that one sees in the cath. lab,
23 and also the fluoroscopy is usually analog,
24 except if you have a digital lab, then you have
25 digital fluoroscopy.

1 MR. VADNAL: Just let me note an
2 objection and move to strike any question or
3 answer pertaining to equipment or the use of
4 equipment that was not in existence with Janice
5 Gilbert.

6 Q. Well, you have thoroughly confused me now, so I
7 will just ask this question: Is there anything
8 about the cine. films or VHS tapes that you have
9 reviewed that would lead you to believe that we
10 have a better viewing capability of what was
11 going on with Janice Gilbert now from these two
12 sources than Dr. Nukta from his operative suite
13 on that day?

14 A. The live fluoroscopy is never as good as the
15 cine. film. And, in fact, sometimes the cine.
16 film is developed when there is a question of
17 what one has acquired during the catheterization.

18 Q. How long does it take to develop the cine. film?

19 A. Usually about 20 minutes or a half an hour.

20 Q. Is it customary that a cine. film is usually
21 developed by the end of a procedure?

22 MR. VADNAL: Objection.

23 A. The cine. film is usually developed after the
24 cine. is completed.

25 Q I think you have testified to that already. But

1 the physician still has the capability to go back
2 and review something, a previous injection, 'while
3 -he--or she is in the operative suite, correct?

4 A. The playback information is usually recorded on a
5 videotape format. And that videotape format may
6 be analog or it may be digital.

7 Q. So had Dr. Nukta wanted to, he could have had the
8 analog VHS, if that is the equipment that was
9 being used, to see what was going on to gain a
10 better picture, if you will, than the fluoroscopy
11 screen itself?

12 A. No.

13 Q. Because -- go ahead.

14 A, The fluoroscopy screen and the review on VHS is
15 played over the same chain of communication,
16 telecommunication chain. It will look the same.

17 Q. Well, this tape that we have then looks the same
18 as what was being played there? No, because this
19 was made for the cine. film. And is a VHS tape
20 kept of the analog procedure that was done?

21 A. Usually there is a backup tape system, VHS tape
22 system, in catheter labs in case something would
23 happen to the cine. film before it gets developed.

24 But most labs do not keep two copies, a VHS
25 copy, which is usually inferior in quality, if

1 you will, to the cine. film.

2 Q. I am going to go to your report now, Doctor, if
3 you want to take a look. Dissection is different
4 than perforation as a complication, correct?

5 A. Yes. Perforation would mean a hole in the
6 artery.

7 Q And when you say "rare complication" in the first
8 sentence of your second paragraph, first of all,
9 you were referring to the dissection, how rare is
10 that complication?

11 A. The dissection of the aorta during a cardiac
12 catheterization is exceedingly rare.

13 Q. Are you able to give me any numbers?

14 A. No.

15 Q. Would you defer to the numbers that are reported
16 in the literature in that regard?

17 A. Yes, I would defer to the numbers reported in the
18 literature, which are in the order of one out of
19 50,000 or one out of 10,000, one out of 100,000.
20 It is very unusual.

21 Q. And when you are talking about a rare complication
22 for her, are you talking about the circumferential
23 dissection, or any dissection being one millimeter
24 or greater?

25 A. Significant dissection is rare of this type in

1 the ascending aorta.

2 Q. Well, do you have any opinion, for instance, when
3 -hers started in the aorta how big it was when it
4 first started?

5 A. Well, by definition, all dissections when they
6 start have to start small and then get larger.

7 Q. Or extend? That would be another fair way of
8 wording it?

9 A. They may extend or they may stop.

10 Q. So dissections can begin as small as less than
11 one millimeter, even; is that a fair statement?

12 A. Well, the dissection begins as a separation, as a
13 split, if you will, in the lining of the aorta.
14 So that could occur as a large split or a small
15 split.

16 Q. So it could occur even less than one millimeter?

17 A. It could occur as a very small area, yes.

18 Q. Do you have any opinion as to how rare the
19 complication of a zero to five millimeter
20 dissection is versus one like Janice Gilbert's?

21 A. I am sure that small dissections, the type you
22 just mentioned, are more common than large
23 dissections.

24 Q. Do you have any idea what the numbers are?

25 A. I do have an idea, and this is based primarily on

1 my experience over 20 years or more.

2 Q. Would you attribute the same numbers to a small,
3 zero to five millimeter dissection, as you did
4 just a moment ago, one out of 50,000 or 100,000?

5 MR. VADNAL: 10,000 or 50,000.

6 Q. Why don't you clarify, I am trying to get a feel
7 for how often you think it occurs, zero to five
8 versus the --

9 A. Aortic dissection of the ascending aorta must
10 occur in one out of 5,000 to 10,000, maybe 20,000
11 times. Very rare. I mean, once you get into
12 those high numbers, how rare is it? Very rare,
13 most rare, the rarest.

14 Q. Have you ever seen a small dissection, zero to
15 five, in the aorta?

16 A. I have seen a dissection in the ascending aorta.

17 Q. When you say "a," you mean just one in your
18 career?

19 A. Two.

20 Q. How large were those two?

21 A. The one dissection actually extended from the
22 ascending aorta and progressed all the way down
23 to the level of the kidney arteries.

24 Q. Was that iatrogenic?

25 A. This was a catheter-related dissection.

1 Q. Do you know, was that your patient or a
2 colleague's?

3 A. - It was a colleague's.

4 Q. How were you made aware of it?

5 A. I actually performed a catheterization on that
6 particular patient from a different approach so
7 as not to enter the dissection area.

8 Q. You mean you were called in to assist?

9 A. I was called in to perform a catheterization from
10 the femoral artery, and the dissection had
11 occurred from the brachial or the arm approach.

12 Q. What artery was being worked on when this
13 dissection occurred from the brachial approach?

14 A. The dissection actually occurred when the
15 catheter entered from the shoulder, right
16 shoulder area, into the aorta.

17 Q. Did it instantaneously extend all the way down to
18 the kidney?

19 A. In a rather short period of time, yes, it did.

20 Q. Were you able to tell me how short of a period of
21 time that happened?

22 A. Within a number of hours.

23 Q. One, two, three, four, five?

24 A. The timing of this particular dissection is
25 difficult to pin down because the only way you

1 really see the dissection is if you put x-ray dye
2 into the aorta.

3 Q. And --

4 A. -So we knew there was a dissection. I then came
5 back and did a catheterization after the arm
6 catheterization had been completed, and we found
7 that there was a large dissection.

8 Q. But why was it hard to pin down, I mean, the
9 timing of the dissection because of the x-ray,
10 the contrast or -- you lost me on that.

11 A. In order to see the dissection, the only way you
12 can see the dissection on x-ray is you have to
13 inject x-ray dye. So if you are not in a
14 position to inject x-ray dye, you will not see
15 the dissection.

16 So the patient may be having a dissection
17 watching television in bed, and you may not see
18 the dissection progress because you are not
19 looking at it using x-ray dye under fluoroscopy
20 or taking a catheterization of that dissection.

21 Q. So I take it you couldn't introduce dye on that
22 occasion because --

23 A. We did introduce dye, and that is how we proved
24 there was a dissection.

25 Q. That was after you were called in?

1 A. Yes.

2 Q. Why couldn't he do it through the arm approach,
3 -introduce dye?

4 A. He did do it from the arm approach and found out
5 that he was in the false channel', which is in the
6 dissection area.

7 Q. I am still missing something. And that is why
8 you could not time it, then, because you had to
9 be in there shooting dye? I don't understand why
10 you couldn't do that while he was in there with
11 the catheter?

12 A. We knew that there was a dissection when the arm
13 catheterization was performed. The catheteriza-
14 tion was stopped because the operator realized
15 there was a dissection. The following day I went
16 in from a different approach and was able to
17 document that the dissection was not simply
18 limited to the area that was evident on the day
19 of the first procedure, but had extended all the
20 way down to the renal arteries.

21 Q. And so the previous day, what was the last known
22 length of the dissection?

23 A. It was not a one or two millimeter dissection, it
24 was a significant dissection.

Q. So why couldn't he continue to inject contrast to

1 -- why did he just stop? Why couldn't he inject
2 more contrast to further get a better handle on
3 this dissection?

4 A. In this particular case he was not within the
5 true lumen or within the true opening of the
6 aorta, as we are talking about in the case with
7 -- today. Dr. Nukta was in the true lumen of
8 the aorta. This other case he was never in the
9 true lumen of the aorta.

10 Q. So I guess the answer to that question, then, is
11 he couldn't inject more contrast, he had to stop,
12 not inject more contrast because the tip of the
13 catheter was in the false lumen?

14 A. He actually did inject contrast and did find out
15 that he was in the false lumen, and he stopped
16 because of the size of the dissection.

17 Q. That would have been standard of care, correct --

18 MR. VADNAL: Objection.

19 Q. -- to stop once you have a dissection like that?
20 I mean, he didn't continue injecting contrast
21 material in a dissection, correct?

22 A. In that particular case he was not in the true
23 lumen, there was nothing to be gained by
24 continuing the procedure, and that is why I was
25 called in to do the procedure using a different

1 route.

2 Q. There is nothing to be gained. In fact, it would
3 only cause more harm before you would gain a
4 benefit in that situation, fair enough?

5 A. **You** don't know whether it would cause more harm.
6 You just couldn't do the procedure if you are in
7 the false lumen. It can't be done.

8 Q. Standard of care, if the catheter tip is in a
9 false lumen, would be to stop and not continuing
10 to inject contrast material into a false lumen;
11 is that fair?

12 A. We have a problem, I think, with definition and
13 where the catheter is. In Dr. Nukta's situation,
14 he was never within the false lumen. He was in
15 the aorta. In this other case, the catheter was
in the false lumen.

17 Q. I am not even talking about Dr. Nukta. I am
18 talking about the other case. I am talking about
19 the tip of the catheter that is in the false
20 lumen. Are you telling me it is standard of care
21 to inject contrast material into a false lumen?

22 A. Sometimes we do, yes.

23 Q. How about in that case, was it standard of care
24 for him to stop or continue?

25 MR. VADNAL: Objection.

1 Q. In that particular case, contrast was injected to
2 make the diagnosis.

3 Q. And once the diagnosis was made, you said he
4 stopped. And I asked you: Was that standard of
5 care?

6 A. That was.

7 MR. VADNAL: Objection.

8 Q. That was clinical judgment at that time in that
9 case, yes.

10 Q. In that case, that would have been standard of
11 care, correct?

12 MR. VADNAL: ,Objection, again.

13 A. In that particular case it was prudent to stop,
14 yes.

15 Q. What harm could have occurred had he continued
16 injecting in that case?

17 MR. VADNAL: Objection.

18 A. It may not so much be the injection, but the
19 manipulation of the catheter that could
20 potentially perforate the artery, get deeper into
21 the artery, etcetera. The catheter was in a
22 position where it should not have been.

23 Q. And that is in the false lumen?

24 A. This was in the false lumen.

25 Q. In which artery are we talking about?

1 A This was in the aorta.

2 Q In the aorta. I had asked you a while ago which
3 -artery he was working on, Do you recall?

4 A That is why I am vague. He never had the
5 catheter in the true lumen of the aorta to be
6 able to work on either the right or the left
7 artery.

8 Q. If he had continued to inject contrast material,
9 he could also have extended that dissection; is
10 that true?

11 MR. VADNAL: Objection.

12 A. I did not say that.

13 Q I am asking.

14 A I mean, if you take it to the nth degree, he puts
15 two quarts of contrast material into a false
16 lumen, it has to go someplace.

17 Q Contrast material is hyperosmolar, correct?

18 A Contrast material is hyperosmolar.

19 Q And has the ability to draw water and increase in
20 volume, correct?

21 A. Hyperosmolar may do that if it stays in the false
22 lumen. But most of the time when contrast is in
23 a vessel, it doesn't stay there, it is dissipated.
24 It moves wherever the blood moves.

25 Q. I think my original question was,, however,, that

1 continued injections of contrast material into
2 that false lumen could have extended the
3 dissection? Do you agree or do you disagree?

4 MR. VADNAL: Objection.

5 A. Which case are we talking about?

6 Q. We are talking about the one that we have been
7 talking about, this gentleman that you were
8 describing your experience..

9 MR. VADNAL: Objection again.

10 A. That case the catheter was in the false lumen of
11 the aorta, it was not in the true lumen.

12 Contrast was injected, the diagnosis was made,
13 and the physician made a judgment not to continue
14 to manipulate nor inject in that case.

15 Q. My question, Doctor, was that had he injected
16 into that false lumen, it could have caused an
17 extension, correct?

18 MR. VADNAL: Objection.

19 A. That is conjecture.

20 Q. What was the outcome of the patient?

21 A. The patient had his dissection treated medically.

22 Q. What does that mean?

23 A, He did not have an operation.

24 Q. When you say "medically," though, was that
25 observation when you say "medically"?

1 A Actually, the patient was observed for a number
2 of days in the hospital, yes

3 Q. Was anything done to control his blood pressure
4 during that time period?

5 A. I don't recall specifically.

6 Q. Would that be prudent practice, in other words,
7 to be sure that the blood pressure is not
8 elevated so as to cause undue stress on that
9 artery present dissection?

10 A. If you are asking me what is the treatment of
11 aortic dissection, spontaneous aortic dissection,
12 control of blood pressure is one of the
13 cornerstones of that treatment, yes

14 Q. The dissection that we were just talking about,
15 that dissection was not spontaneous, correct?

16 A. That was not spontaneous

17 Q. It was iatrogenic?

18 A. Correct.

19 Q. And that case with iatrogenic dissection,
20 treating with observation, is it prudent medical
21 practice to watch the blood pressure so that it
22 does not elevate?

23 A. With dissection, that is a standard treatment is
24 to control blood pressure, yes

25 Q. And when you control it in standard treatment

1 form, what is the goal, to keep it at -- where?

2 A. All the treatment strategies for dissection have
3 been developed in people that have spontaneous
4 aortic dissection. So everything we say from
5 here on is an extrapolation from the data that
6 has accumulated regarding spontaneous aortic
7 dissection, which is much more of a common
8 problem than what we are seeing in iatrogenic
9 problems like this.

10 Q. What is the pathogenesis of spontaneous aortic
11 dissection?

12 A. It varies.

13 Q. Is it usually attributable to cystic medial
14 necrosis?

15 A. People with Marfan's syndrome have cystic medial
16 necrosis, that is, the glue between the inner
17 lining and the middle lining of the aorta is not
18 normal, so that the lining of the aorta can
19 separate.

20 Q. Were you saying a moment ago that the treatment,
21 then, for iatrogenic dissections of the aorta was
22 extrapolated from spontaneous dissections, then?

23 A. There are not enough people that have had these
24 iatrogenic dissections to study them in any
25 controlled fashion. But it is logical, it is

1 logica'l to extrapolate from the experience with
2 spontaneous dissections, some of which have
3 - cystic medial necrosis.

4 Q. Are you familiar with, then, how to treat
5 spontaneous dissections that occur in the aorta,
6 ascending aorta, specifically?

7 A. Yes.

8 Q. What is the treatment plan for that?

9 A. The treatment plan for ascending aortic
10 dissections is to lower blood pressure, as you
11 have suggested. And to decrease the force of
12 blood ejecting from the heart.

13 Q. Cardiac output, specifically, or some other --

14 A. Shear stress. You have to reduce shear stress.

15 Q. Thank you, Doctor. What are the treatment
16 parameters, then, for lowering blood pressure,
17 how is that achieved?

18 A. Usually -- a number of drugs can be used, but
19 beta blockers are often used. Sometimes a drug
20 called nitroprusside can be used.

21 Q. That is the heavy-duty drug, nitroprusside,
22 correct?

23 A. That's correct.

24 Q. What is the goal in the numbers in trying to keep
25 the blood pressure low?

MS. MASSEY: Object .

A. Well, with spontaneous dissection, you would like to reduce the systolic pressure into the low 100s, 110, 120 range, because often these people come in hypertensive.

Q. Is that due to some compensatory mechanism, or are you saying due to the -- why don't you explain.

A. People that have established hypertension are more prone to develop aortic dissections. So some folks present to the hospital with uncontrolled hypertension and also have dissection of the aorta. So they have really two problems.

Q. Diastolic, what is the goal for diastolic in treating spontaneous dissection?

A. Actually, most of the focus is not on the diastolic pressure, it is on the systolic pressure, because that is the force that the intima, or the inner lining, sees is the systolic pressure.

Q. Now, extrapolating from spontaneous to iatrogenic, are those two treatment parameters extrapolated, decreasing blood pressure and shear stress?

A. If one has a dissection, spontaneous, or if one

1 has an iatrogenic dissection; the same type of
2 considerations arise.

3 Q. Are any other treatment modalities instituted for
4 iatrogenic?

5 A. I can't think of any right now.

6 Q. I started down this road with the question of a
7 zero to five millimeter versus an extensive
8 dissection. May we agree that Janice Gilbert's
9 was an extensive dissection?

10 A. Yes, it was.

11 Q. Have you ever seen an extensive dissection like
12 that before that will allow you to qualify the
13 patient as extensive or not?

14 A. The patient we just talked about was an extensive
15 dissection, yes.

16 Q. Now, it just occurred to me that if it went all
17 the way down to the kidney, nobody was ever in
18 the ascending aorta in that patient?

19 A. No, that is where it began, in the ascending
20 aorta.

21 Q. And then made the loop over the ascending arch
22 and then came back down?

23 A. That's correct.

24 Q. Was it ever determined whether that person had a
25 diseased aorta?

1 A. That person had a diseased aorta, yes.

2 Q. What was the disease?

3 A. Atherosclerosis.

4 Q. I should have asked that question, then, a
5 contributing disease which would have propagated.
6 Was it ever determined whether that person had a
7 disease of the order that caused the extension in
8 and of itself?

9 A. The patient did not have Marfan's syndrome.

10 Q. Do you have an opinion as to what caused that
11 patient's dissections to extend like that?

12 MR. VADNAL: Objection.

13 A. The patient had a diseased aorta.

94 Q. I will go back to a question I asked a long time
15 ago, and I don't know if you answered it or not,
15 but when he stopped the procedure because his
17 catheter was in a false lumen, and you went in the
18 next day, how long was that dissection when he
19 last left that patient after that last injection?

20 A. When he left the patient, the patient had an
21 ascending aorta dissection for sure.

22 Q. Did it affect the valve at all?

23 A. It did not affect the valve.

24 Q. Do you have any opinion, then, why it is with
25 Janice Gilbert that she was unable to be treated

1 through observation versus that patient who had a
2 dissection **all** the way down to her back side?

3 A. - As you may have implied from your previous
4 comment, if the dissection involves the aortic
5 valve, that is one of the indications for
6 surgical operation.

7 Q. If it doesn't involve the valve, was hers the
8 type that could have been observed?

9 A. Perhaps.

10 Q. What is the likelihood, though?

11 A. These are the kind of cases where you sit down
12 with your surgeon and you look at the benefits
13 and risks and then try to make a determination.

14 Q. But you have said perhaps if the valve wasn't
15 involved she could have been treated through --
16 well, medically treated through observation.

17 I am saying: What is the likelihood, are
18 you able to give me a percentage scenario, as to
19 whether or not she would have been able, without
20 valve involvement, to be treated medically?

21 A. Many patients with aortic dissections involving
22 the ascending aorta are treated surgically.

23 Q. Would you agree with me that, at the minimum,
24 treatment of a dissection of an ascending aorta
25 would include monitoring blood pressure?

1 MS. MASSEY: Objection.

2 A. Monitoring blood pressure is standard, standard
3 of care, yes.

4 Q. What was the other dissection that you saw?

5 A. Actually, the other dissection was a patient that
6 I had, and it occurred at some point after this
7 case, and it involved the ascending aorta, and it
8 involved the right coronary angioplasty.

9 Q. And what happened?

10 MR. VADNAL: I am going to get a
11 continuing objection to every question and answer
12 pertaining to this other patient, irrelevant and
13 not likely to lead to the discovery of admissible
14 evidence, but go ahead.

15 MS. MASSEY: Me, too.

16 A. The dissection involved the ascending aorta. It
17 was during a right coronary angioplasty. And the
18 dissection involved a substantial amount of the
19 ascending aorta, did not involve the valve, and
20 was treated conservatively.

21 Q. The patient survived, then?

22 A. Yes.

23 Q. No surgery?

24 A. No surgery.

25 Q Did you stop the procedure when you saw the

1 dissection?

2 A. Actually, I didn't.

3 Q. What did you do?

4 A. I had to complete the procedure.

5 Q. What did you do to complete the procedure?

6 A. It was an angioplasty stent procedure of the
7 right coronary, not too dissimilar from this
8 case.

9 Q. Why did you have to complete the procedure?

10 A. Because the objective of the procedure was to
11 open up the right coronary artery.

12 Q. How occluded was it?

13 A. I don't remember specifically, but it **was** not a
14 situation where we could simply stop and come
15 back another time. We had to complete it. We
16 were in the process of doing the procedure.

17 Q. Where did the dissection originate in that
18 patient?

19 A. That dissection originated in the proximal right
20 coronary artery and extended distally down the
21 right coronary and also up in the aorta.

22 Q. I take it, then, did you ever take the catheter
23 and inject it into the flap created in the aorta,
24 in the dissection itself?

25 A. One of the things I think that is important to

1 realize is when you are doing this type of
2 procedure there is a guide wire that is down in
3 the coronary artery, and the guide wire guides
4 the tip of the catheter. So even if you wanted
5 to put the tip of the catheter into the
6 dissection, not saying you wanted to, but even if
7 you wanted to, you couldn't do it because the
8 guide wire guides you into the artery, guides you
9 into the right coronary artery.

10 Q. And assuming that to be true, that means that the
11 catheter tip would be injecting contrast material
12 into the coronary artery?

13 A. It doesn't necessarily have to inject it into the
14 coronary artery. The catheter tip could be in
15 the aorta.

16 Q. Did you take any steps to make sure that the
17 catheter tip was engaged in the RCA rather than
18 in the area of the dissection, so as to avoid
19 injecting contrast and extending into the
20 dissection and extending it?

21 MR. VADNAL: Objection.

22 A. This particular case, the mechanism involved was
23 after the blockage in the right coronary artery
24 had been stretched or dilated with the balloon
25 there was a dissection as a result of that. It

1 had nothing to do with the catheter itself, the
2 catheter tip.

3 Q. I am sorry, what caused the dissection?

4 A. When you open up a blockage in the coronary
5 artery, the mechanism for that opening of the
6 blockage is that you develop a dissection, a tear
7 or a split in the artery that then allows the
8 artery to relax.

9 Q. And it is that tear that went ahead and proceeded
10 up the ascending aorta?

11 A. And also went downstream, too.

12 Q. When you said you continued, then, I take it what
13 was your next step, you went ahead and placed the
14 stent?

15 A. Yes.

16 Q. Did you take steps to control her blood pressure,
17 the patient's blood pressure, I should say?

18 A. I don't believe the patient's blood pressure was
19 significantly elevated in this case.

20 Q. Do you know Morton Kern?

21 A. I don't know him personally, no.

22 Q. Have you ever met him?

23 A. No, I haven't.

24 Q. Have you ever talked to him?

A. NO.

1 Q How about Dr. Botham?

2 A. No.

3 Q. - Dr. Jeffery Graeber?

4 A. No.

5 Q. Alan Feit?

6 A. I have never talked to Alan Feit. I saw him

7 present a paper at a meeting.

8 Q. What meeting was that?

9 A. It was either the American Heart Association
10 meeting or the American College of Cardiology
11 meeting.

12 Q. Do you know what his paper was about?

13 A. Cardiogenic shock.

14 Q. Is he a competent physician, as far as you know?

15 A. I don't have any reason to think he is not.

16 Q. I take it you haven't talked with any of the
17 other experts in this case, correct?

18 A. No, I haven't talked to any of the experts in
19 this case.

20 Q. You said you have never worked with Bill Meadows
21 on a case other than this, or I think up to that
22 point you had not worked with him, correct?

23 A. I don't believe I have.

24 Q How about since then have you worked with Bill on
25 any other matters?

1 A. I don't think I have, no.

2 Q. I take it he has never contacted **you** about
3 another case, then, correct?

4 A. Not that I recall, no.

5 Q. How about any of the other lawyers from Reminger
6 & Reminger, have you ever consulted with any
7 lawyers from their firm, past **or** present?

8 A. Yes, I have.

9 Q. Let's start off with how many, if more than one?

10 A. I gave an expert opinion in at least one other
11 case, maybe more than that, in the Cleveland
12 Reminger law firm.

13 Q. When you say at least, maybe more than one, can
14 you approximate for me about how many?

15 A. Are you asking how many cases I have reviewed?

16 Q. Yes, let's say reviewed.

17 A. I have probably reviewed maybe ten cases.

18 Q. Over what period of time?

19 A. Probably eight years, maybe.

20 Q. Is that just the Cleveland office?

21 A. I have reviewed a case for Reminger in the
22 Columbus office, and also one in Cincinnati.

23 Q. Of the ten cases that you reviewed, how many did
24 you actually become involved in out **of** the
25 Cleveland office to consult on a continuing

1 basis?

2 A. Two.

3 Q. And the other eight, did you tell them that the
4 case was not defensible?

5 MR. VADNAL: Objection.

6 A. No, not all of them, no.

7 Q. Out of the ten, are you able to estimate for me
8 how many you felt were defensible versus not?

9 A. There were some that I felt were not defensible,
10 and there were others that I felt were
11 defensible. I don't know the exact number.

12 Q. So when you say just reviewed, that is just to
13 look at a chart, send it back, and no other
14 involvement in the case?

15 A. A number of those, that is all I did, yes, maybe
16 send a letter or something else.

17 Q. Well, to me, if you send a letter, that is
18 tendering a report, you are actively involved
19 consulting.

20 Is there a difference to you between simply
21 getting a chart, giving an opinion, and sending
22 the chart back and not: continuing to have
23 involvement versus sending a report?

24 A. Yes, there is a difference.

25 Q. Over the last eight years, how many did you

1 review, consult on, produce a report, etcetera?

2 A. I am not very good with numbers on these things,
3 -but- I would say that there were some cases that I
4 looked at and I said, "I don't think this is
5 defensible, I think the doctor is liable in this
6 case"; other cases I reviewed and the attorney
7 would say "Well, I don't need a letter or
8 anything, just we will see where this case goes";
9 and there are other cases where I did send a
10 report, some of the cases were settled, some went
11 to court.

12 Q. In total, then, about how many times have you
13 even been called by Reminger on a case?

14 A. As I said, probably about ten times total.

15 Q. And that would be just Cleveland, right?

16 A. No, that would be --

17 Q. Columbus and Cincinnati, as well?

18 A. Right.

19 Q. Are you presently consulting with Reminger in any
20 other cases?

21 A. Yes, there is one in Columbus.

22 Q. How long have you been reviewing med. mal. cases?

23 A. Oh, probably for 20 years.

24 Q. Out of those that you review for Reminger, did
25 any of those other cases have similar issues as

1 to this?

2 A. Aortic dissections?

3 Q. - Yes.

4 A. NO, I don't believe so.

5 Q. And in the last 20 years, can you give me a
6 number as to how many you were involved in for
a the defense of a medical care provider versus the
8 patient?

9 A. I have not testified in court in that situation.

10 Q. I am sorry, which situation?

11 A. Against a medical provider.' But I have given
12 opinions that I felt the physician or the medical
13 care provider was clearly in error.

14 Q. I take it there is a reason, then, that you would
15 give an opinion but you wouldn't testify in
16 court?

17 A. No, it just never came up. I would testify if it
18 came up.

19 Q. So over the last 20 years, about how many have
20 you been reviewing on a yearly basis?

21 A. Early in my career, not often. And in the last,
22 what did I say, eight years, more often than in
23 the first ten years.

24 Q. So about how many did you do in the last eight
25 years on a yearly basis, how many cases are you

1 involved in?

2 A. I would say a couple a year.

3 Q. When you say at least a couple a year, in how
4 many of those are for the medical care provider
5 versus the plaintiff?

6 A. Well, with Reminger, they are primarily a firm
7 that is in the practice of defending physicians,
8 so pretty much by definition they are not going
9 to ask me to testify for the plaintiffs.

10 Q. My question is: Of those that you -- I mean, is
11 it only Reminger that you review for, or are you
12 reviewing for other people, as well?

13 A. I have reviewed for other firms.

14 Q. Are most of them Reminger cases that you
15 reviewed?

16 A. Not necessarily. I have reviewed some for
17 Roetzel & Andress here in town.

18 Q. My question was: Out of those you review on a
19 yearly basis, how many are for the medical care
20 providers versus the patient?

21 A. The majority are for the medical care providers.

22 Q. Is it nine out of ten, or can you give me a
23 number?

24 A. I can't give you a specific number, but the
25 majority.

1 2. How about an approximation, 80 percent, 90
2 percent?

3 A. More than 80 percent.

4 2. Do you know any members of the Reminger firm on a
5 personal level?

6 A. No, I don't.

7 2. How many occasions have you found yourself -- you
8 said you have never testified for a patient,
9 correct?

10 A. Yes.

11 Q. Did you mean in court or deposition testimony?

12 A. I have given deposition testimony on behalf of a
13 patient, yes.

14 Q. How many times have you ever testified in court
15 for a medical care provider?

16 A. Twice.

17 Q. When you reviewed the tape, at what point did you
18 see the dissection in the aorta?

19 A. I saw the dissection the first time I reviewed
20 it.

21 Q. At what point in the procedure, though, did you
22 see it? You can describe it for me any way you
23 can.

24 A. It was toward the end of the procedure, toward
25 the end of the procedure.

- 1 Q. And that is the first time you ever saw the tape?
- 2 A. Yes.
- 3 Q. - Did you run it through normal speed, or did you
4 use a combination of running it through normal
5 and partially slow motion, or how did you do it?
- 6 A. All of the above.
- 7 Q. Is that what happens in an operative suite, as
8 well, if you are trying to diagnose something
9 using all the stuff we talked about, the ability
10 to go back and come forward, to check out a
11 situation if one has to?
- 12 A. No, the video is usually better in an operative
13 suite because it is specifically designed for
14 replay. This particular tape, as you mentioned,
15 is a videotape of a catheter film.
- 16 Q. I am sorry, I asked whether or not, as you said
17 you did all of the above in looking at that for
18 the first time, is there that capability, then,
19 in diagnosing or checking out a problem in the
20 operative suite that is similar, I mean, where
21 you have the capability to use that equipment at
22 hand?
- 23 A. Most catheter labs have a replay mechanism where
24 you can review what you have previously
25 recorded.

1 Q. You said it was late in the procedure. Did you
2 take a look at the time code when you did it?

3 A. I did look at the time code, yes.

4 Q. But you have no recollection today as to where
5 you felt it was based on the time code?

6 A. I am reading my report to see whether I mentioned
7 the time code.

8 Q. Well, I am sure you didn't. I would be very.
9 surprised if you did, even if I didn't read your
10 report. But I am asking you, as you sit here
11 today, do you remember the time code where you
12 first the saw the dissection?

13 A. I don't remember the specific number, no.

14 Q. How about as you sit here today, do you recall
15 the number where you first found the dissection?

16 A. I don't remember the specific number.

17 Q. So as we sit here today, you don't know the
18 number where you first see an aortic dissection?

19 A. It was somewhere around 10, something had to do
20 with 10.

21 Q. So you have it written down, then?

22 A. No, I don't. Those are my notations on the tape
23 going back to 19 -- I guess '97.

24 Q. So what does the "101" represent?

25 A. I don't know.

1 Q. "105" and "108," and what is your handwriting
2 there?

3 A. I said stent, 108 I can see a stent.

4 Q. But you believe the dissection occurred
5 subsequent to placement of that stent?

6 A. The dissection certainly occurred after the stent
7 stent, and you can definitely see it after the
8 second stent.

9 MR. LOUCAS: I am sorry, could you
10 repeat that.

11 (Record read ;

12 Q. (Continuing.) We are talking about the aortic
13 dissection, right?

14 A. We are talking about the aortic dissection.

15 Q. From that statement I take it you mean it
16 happened after the first but before the second
17 stent, but you can definitely see it after the
18 second?

19 A. For sure you can see the dissection after the
20 second stent has been placed, which also is after
21 the first stent had been placed.

22 Q. This is true. Have you ever authored any
23 articles dealing with this subject matter?

24 A. No, I haven't.

25 Q. You have read all of the experts' depositions so

1 far, with the exception, of course, of Graeber,
2 that has not been taken?

3 A. I have read Alan Feit's, Henry Cabin's, and --

4 2. Well, here is Feit's. Here is Morton Kern's.

5 Have you read that one?

6 A. And Morton Kern's.

7 2. Did you see any evidence in this case to support
8 an argument that her dissection was spontaneous?

9 A. No.

10 a. I see that you have certain portions of
11 Dr. Kern's testimony marked with stars. Can you
12 tell me why?

13 A. I received that copy last night and those are not
14 my markings.

15 Q. So page 45, Pine 9, that **would** not be **your** marking?

16 A. No, none of the markings are my markings.

17 Q. Or 47, line 13; 49, line 3; 51, line 16; 53, line
18 24; 56, line 19; 59, line 7; 61, line 3; 62, line
19 20; 64 line 21; 65 line 24; 71, line 22; 73 line
20 14; 75, line 4; 78, line 4; 81, line 4 and 19;
21 83, line 1; 84, line 8; 86, line 7; 87, line 7;
22 88, line 13; 89, line 18.

23 Then whose markings would they be, Doctor?

24 A. I don't know. They are not mine.

25 Q. Or page 101, line 18; 103 line 7.

1 MR. VADNAL: I assume those were
2 all read correctly, right?

3 MR. LOUCAS: I hope so. I hope
4 so.

5 Q. (Continuing.) Let's take a quick look at the
6 tape, Doctor, and I think we will call it a day.

7 Doctor, on the September 14 tape, did you
8 find any evidence of calcification on the VHS
9 tape of the September 14 procedure?

10 A. I didn't specifically note that.

11 Q. Well, do you want to run it through quickly,
12 please, and tell me whether you see any evidence
13 of calcification?

14 MR. VADNAL: I am going to have to
15 rewind it because when I put it in it played a
16 little bit.

17 Q. Doctor, if you want, I am offering that to you.
18 I mean, if you are comfortable stating here today
19 that you did not see any and you want to rest on
20 that opinion, that is fine. But if you want to
21 take that opportunity, I would rather you have a
22 look.

23 Is that one of Janice Gilbert's tapes on the
24 machine there?

25 A. Yes.

1 Q. Which one is on the machine now?

2 A. 'Phis is the cardiac catheter.

3 Q. Okay. The 12th, or this is the 14th?

4 MR. VADNAL: No, the 14th is on
5 there.

6 A. I am sorry, the 14th is on there,

7 Q. Would you prefer to use the cine. rather than
8 that?

9 A. It is better quality.

10 Q. Okay. Why don't you go ahead and run through
11 that and tell me whether you see any evidence of
12 calcification.

13 A. Specifically where are you looking for calcifica-
14 tion?

15 Q. Just period. We won't bite if the lights are
16 out.

17 A. This is the left coronary. We are not interested
18 in the left coronary.

19 Q. I want you to tell me if you see any calcifica-
20 tion.

21 A. You can see calcification.

22 Q. Would you tell me what you just visualized? Was
23 that the left coronary **still**?

24 A. No, it is not the left coronary, it is probably
25 the right coronary, probably the right coronary.

1 Q. Please describe for me -- I know you went to the
2 left, to the artery that appeared furthestmost to
3 the left.

4 A. We are now looking at the injection into the left
5 coronary artery, and there is a linear area of
6 calcification in another area, which is probably
7 the right coronary artery, which perhaps we can
8 confirm or deny as we go through.

9 MR. VADNAL: And just the record
10 should reflect when the doctor was speaking he
11 did have the cine. film in a stopped fashion or
12 in a freeze-frame fashion.

13 Q. Go ahead, Doctor. At this point why don't we
14 limit it to the aorta.

15 MR. VADNAL: Calcification in the
16 aorta?

17 MR. LOUCAS: Yes, calcification in
18 the aorta.

19 A. There is calcification of the right coronary
20 artery.

21 Q. I said at this point let's just do it in the
22 aorta.

23 A. There is an area of calcification in the aorta.

24 Q. And why don't you go ahead and describe that
25 anatomically, please.

1 A The area of calcification is superior to the
2 right coronary ostium.

3 Q. And can you tell where in the procedure you are
4 when you are describing that?

5 A. This is at the diagnostic portion of the
6 catheterization, which is using a multipurpose
a diagnostic catheter.

8 MR, VADNAL: What is your 'next
9 question?

10 Q. Go ahead. I just wanted to know whether you see
11 any area of calcification in the aorta. That is
12 the whole purpose of us going through this right
13 now quickly.

14 A. Yes, there is, there is calcification in the
15 aorta.

16 Q. Is it in the area of the dissection, then?

17 A. At this point I don't see a dissection.

18 Q. Do you believe that that calcification is in the
19 area of the aortic dissection that we know occurs
20 later?

21 A. The calcification is in the same region of the
22 aorta where the dissection is known to have
23 occurred later in the procedure.

24 a. And is it your opinion that that is what caused
25 this dissection? I am looking at your report

3
1 where you talk about that she had an extensively
2 diseased aorta.

3 A. The extensively diseased aorta is an opinion
4 based upon the fact that she had a very large
5 dissection; therefore, she had a very 'diseased
6 aorta.

7 Q Are you familiar with any literature that
8 supports that opinion?

9 A. When one has dissections, as we discussed
10 earlier, you can have dissections with Marfan's
11 syndrome and you can also have dissections
12 spontaneously in patients with atherosclerosis.
13 So usually dissections do occur in the setting of
14 a diseased aorta.

15 Q. But this is an iatrogenic, and you are saying
16 that the calcification had the same effect on her
17 and just caused her to split like this?

18 A. The calcification is a marker of atherosclerosis,
19 which is a disease of the aorta.

20 Q. It is my understanding that with spontaneous
21 dissections, it is mostly due to a diseased
22 media; is that your understanding or not?

23 A. Not necessarily. It can occur in patients with
24 extensive atherosclerosis.

25 Q. Why don't you tell me what the breakdown

1 percentage is between those that occur with
2 atherosclerosis versus a diseased media?

3 A. Can you state the question again.

4 MR. LOUCAS: Would you read it
5 back.

6 (Record read.)

7 A. The majority of people with dissection will have
8 atherosclerosis as their cause rather than a
9 Marfan-like syndrome.

10 Q. You are talking about spontaneous, correct?

11 A. That's right.

12 Q. How about iatrogenic?

13 A. I can't tell you what the breakdown is. This is
14 a rare complication.

15 Q. What else causes you to believe that it was
16 calcification that caused this dissection?

17 A. I don't think I said that the calcification
18 caused the dissection.

19 Q. Well, tell me what the association is for Janice
20 Gilbert between her calcification and the
21 dissection, if any.

22 A. The calcification is a marker of atherosclerosis.
22 By definition, she has disease of her aorta.

24 Q. Finally, the autopsy documented a severely
2E diseased atherosclerotic aorta, which may further

1 predispose t'o aortic dissection. Are you saying
2 that more likely than not the extent of her
3 calcification predisposed her to this aortic
4 dissection?

5 .. What I am saying is atherosclerosis is a
6 predisposing factor to aortic dissection. The
7 autopsy showed she had an extensively diseased
8 atherosclerotic aorta.

9 Q. But is it your belief or your opinion, I am just
10 trying to get -- I want to know what you are
11 going to say trial, that is all.

12 A. Right.

13 2. Are you going to get up at trial and say it was
14 her calcification in her aorta that caused this
15 dissection to extend and propagate?

16 4. The atherosclerosis I believe had a large portion
17 to play in this patient's dissection that
18 propagated, yes.

19 Q. Well, there is a difference between the beginning
20 of an extension of a dissection and what we know
21 happened to her, the circumferential total
22 extension. And which one is it? Are you saying
23 it caused the first one and the extension? Or
24 why don't you just tell me fully what your
25 opinion is going to be?

1 A. I believe she had an iatrogenic dissection.

2 Q. Okay.

3 A. -- The dissection was complicated by the fact that
4 she had a very diseased aorta.

5 Q. If she didn't have the diseased aorta, would it
have changed her outcome?

7 A. What I am saying is that if you look at people
8 who have aortic dissections, atherosclerosis is
9 the more common underlying cause for the
10 dissection. She had underlying atherosclerosis.

11 Q. Do you think that was the cause and not the
12 catheter, or do you think it was --

13 A. I think there was iatrogenic dissection of the
14 aorta. I think the catheter caused the
15 dissection. She also had a severely diseased
16 aorta.

17 Q. So I take it she should not have been a candidate
18 for this procedure with such a diseased aorta?

19 A. No, that is not true.

20 Q. You also mentioned blood pressure as being the
21 culprit for propagating the dissection. Is there
22 a difference, then, between the calcification you
23 have alluded to as being a known cause for
24 dissection versus the blood pressure propagating
25 it or extending it?

1 A. As we discussed earlier, if someone has a
2 dissection, blood pressure is one of a number of
3 factors that may contribute to the progression of
4 the dissection.

5 Q. Do you know what caused the 2:00 complaint of
6 chest pain?

7 A. 2:00?

8 I am sorry, I am looking at the invasive
9 cardiology intraoperative record.

10 A. Let's see.

11 Q. Here you go, Doctor. It should be in yellow.

12 A. "2:00, patient complains of chest pain. Dr. Nukta
13 aware."

14 Do I know what caused the chest pain?

15 Q. Yes.

16 A. No, I don't know what caused the chest pain.

17 Q. Do you know what the likely cause is of the chest
18 pain? Are you able to give an opinion one way or
19 the other?

20 A. Well, in her case it may have been ischemia,
21 because she was having an angioplasty. That
22 would be a likely possibility.

23 Q. Well, when you use the words together "likely"
24 versus "possibility," that makes things very
25 confusing, as you probably know.

1 So do you have a likely cause or an opinion
2 for what caused her 2:00 complaint of chest pain?

3 A. -- You are going to have to review that. What time
4 did the catheterization start now?

5 Q. I want to say 1:30.

6 A. 1:35 it says, yes. I would say that the
7 likelihood is that she was having chest pain
8 because she had a blockage in her coronary
9 artery.

10 Q. And how about increasing complaints of chest pain
11 at 2:30?

12 A. Again, that may have been ischemia again,
13 coronary problems.

14 Q. And at 3:02, "Falling heart rate second to AV
15 block, and the pacer is activated." Do you have
16 any opinion as to what occurred at 3:02
17 necessitating that?

18 A. Usually AV block is associated with a right
19 coronary angioplasty.

20 Q. Do you have any opinion as to the documentation
21 as to when the dissection of the aorta occurred
22 real time after looking at the documentation in
23 this case?

24 A; I must tell you that I did not review these
25 medical records last evening, but I reviewed them

11 in 1997. So the time lines are a little vague in
22 my mind at this point.

33 Q. Why don't we go back to the cine., and take me to
44 where you see the dissection, Doctor.

55 ... I think at this point you can see the 'dissection.
66 Here we are looking at 'the right coronary
77 artery. There appears to be a stent that is
88 being delivered downstream from the first stent,
99 and there is a linear collection of x-ray dye
100 just below or inferior to the right coronary
111 artery.

122 2. Okay. Now, we already talked about what it means
133 to deliver versus deploy a stent. First of all,
144 how do you know that that is a stent right there?

155 4. The stent delivery balloon has two markers, one
166 on each end. And the delivery sheath has a
177 marker on the end. So you can see the delivery
188 sheath outside the orifice or the opening of the
199 right coronary artery. And you can see the two
200 markers on the balloon.

211 Q. Which balloon is that?

222 A. This is the Johnson & Johnson balloon that
233 expands the stent, The stent is mounted on that
244 balloon.

255 Q. So now as we were talking about before -- all

1 right, so he is just putting it in place right
2 now?

3 A. He has expanded the balloon, which expands the
4 stent.

5 2. Now, what standard of care -- first of all, is it
6 standard of care to recognize the dissection at
7 this point?

8 A. I am not sure that it would be recognizable at
9 this point. It may have been, but it may not
10 have been recognizable. It may not have been
11 recognizable at this point.

12 Q. Why not?

13 A. Well, as I said, the cine. films are of better
14 quality than what one sees on fluoroscopy when
15 one is working in the cath. lab.

16 Q. So is this the only shot where you see the
17 balloon, or is there another shot where you
18 actually see this second stent?

19 A. I would have to go through it frame by frame. I
20 didn't specifically look for that point.

21 Q. Is this suspicious, however, when you say may or
22 may not, is this something that bears witnessing
23 on the next injection or next shot? In other
24 words, should you watch it at this point?

25 A. Let me say if we are going back retrospectively

1 looking for a dissection, looking for the first
2 hint of a dissection, you are much more likely to
3 detect it here on cine. film, better quality than
4 you will when you are working in the cath. lab
5 and your focus is going to be delivery of that
6 stent.

7 Q. My question, though, was at this point should you
8 start to watch that?

9 A. If you see it, you certainly need to take the
10 steps that you normally would take to be cautious
11 about that. If you don't see it, though, you
12 can't react to it.

13 Q. Well, why would you not see it, if you are not
14 looking at it you mean?

15 A. It may not be visible as clearly on fluoroscopy.
16 And you also don't have 20/20 hindsight to be
17 able to look back and say, "Oh, there it is, it
18 is just beginning."

19 Q. Why don't you take me, then, continue through
20 this injection, please. Is this the same
21 injection that we just saw, you know how the
22 screen just blanked out, or are we looking at the
23 same injection, just to clarify?

24 I would like you to go to each injection
25 now. That was an injection. Now take me to the

1 next, what you believe, injection.

2 .. Let me clarify it further, perhaps, because this
3 ~~is not~~ an injection, this is a cine. picture of
4 the balloon being inflated, There is no dye
5 being injected on this picture.

6 Q. Thank you. That is because you can see the
7 balloon without the dye; is that it?

8 A. If there were dye being injected, the entire
9 right coronary artery would be opacified like the
10 balloon is opacified. There is x-ray dye in the
11 balloon which allows us to see the balloon when
12 the balloon is inflated.

13 Q. Got you. Okay. So please go to the next
14 documentation of something different. This is
15 the next shot, if you will?

16 A. The next picture we see, the next picture we see
17 is of the right coronary artery. The balloon
18 system and sheath have been removed. We don't
19 see that at this point. And we see at this point
20 a definite dissection.

21 Q. The balloon system and sheath have been removed?

22 A. Yes.

23 Q. What is left, then?

24 A. The guide wire.

2E Q. And has the high pressure balloon then been

1 inserted?

2 A. I don't see a balloon in there.

3 Q. Now, does this rise to the level that it should
4 be seen?

5 A. This degree of dissection would be noticed, yes.

6 Q. At this point, what duties or what does the
7 physician do at this point? What is the standard
8 of care?

9 A. At this point you want to be sure that you have
10 completed the right coronary angioplasty and
11 stenting procedure.

12 Q. What is the benefit of that?

13 A. Well, let me say it the other way. The risk of
14 not completing the right coronary angioplasty
15 stenting procedure is you may develop an
16 occlusion or a total blockage of the artery that
17 you have just worked on.

18 Q. Now, you said before that some of the balloons
19 are adequately delivered in the first attempt.
20 But as an insurance policy you go back and make
21 sure through the high pressure --

22 MR. VADNAL: Objection.

23 Q. I am paraphrasing. How do you know which of the
24 two is going on here? How do you know, for
25 instance, that this isn't adequate or that y u

1 may be jeopardizing her by completing this
2 procedure?

3 A In 1995 the standard was to deliver the system
4 and then to go back with the high pressure. You
5 may get an idea of the success of the procedure
6 from angiography, or you may get an idea from
7 ultrasound if you ran an ultrasound probe through
8 the artery, you could see how fully the stent had
9 been expanded.

10 Q. Now, I take it just injecting contrast is not the
11 same as an angiogram to see how clear, how wide
12 it is.

13 A. Angiogram is injecting contrast.

14 Q. So he could, technically speaking, at this point
15 shoot some more dye in to see if it is wide
16 enough?

17 A. That's correct.

18 Q. Now, how would you classify that dissection of
19 the aorta right there?

20 A. What we see is contrast in the dissection. You
21 don't know whether you see the entire dissection
22 or not.

23 Q. Are you able to classify, nonetheless, in any way
24 in any term that you so see fit?

25 A. No.

1 Q Does it involve the valve?

2 A It may involve the valve, but on a still frame
3 you can't see that.

4 Q. What is the standard of care at this point with
5 regard to that dissection, regardless of what is
6 going on with the RCA? What can you do? What
7 has to be done with that dissection at this
8 point?

9 A. Well, the dissection will either remain as it i
10 or get worse. Those are the two options.

11 Q And what do you do to insure that it remains the
12 same?

13 MR. VADNAL: Objection.

14 A What you need to do is what is most appropriate
15 for the patient, not for the dissection.

16 Q. And your opinion is to continue with the
17 treatment at this point?

18 A. What I am saying is it is important for the
19 patient to know whether you have completed the
20 right coronary angioplasty stenting procedure.

21 Q. And that is through the angiogram, another shot
22 of dye?

23 A. Yes.

24 Q. If it is sufficiently open; what has to be done,
25 then, with this dissection at this point?

1 A. Nothing may need to be done, or, conversely, you
2 may need surgical intervention.

3 Q. And I guess the way to find out is to treat
4 medically first, and observe her and see if she
5 deteriorates, or how would you do that?

6 A. You would want to do some additional analysis to
7 see whether the valve is involved, as you
8 suggested.

9 Q. Is one of the ways that that could be done a
10 transesophageal echocardiogram? Am I coming
11 close?

12 A. In the year 2000, transesophageal echo would be a
13 good diagnostic tool, yes.

14 Q. How about in '95?

15 A. I don't know whether it would be available in
16 1995 or not.

17 Q. Is that one method?

18 A. That is one method, yes.

19 Q. What is another method? I think we are trying to
20 determine whether or not she may be observed and
21 treated medically or to determine whether she
22 needs surgery?

23 A. You could do a dye injection into the aorta,
24 which was done, an aortogram.

25 Q. And the aortogram, is there any risk at all, I

1 don't care whether it is .001 percent, of
2 extending the dissection with an aortogram? I am
3 talking about risk, not what actually happened
4 here.

5 1. The aortogram has been the gold standard for
6 diagnosing aortic dissections.

7 2. By the time the aortic dissection is done here,
8 you would agree with me it is already diagnosed?
9 At that point it is a matter of determining the
10 extent of it?

11 1. The extent and the treatment.

12 2. In '95, that would still be the gold standard
13 over the transesophageal echo?

14 4. The aortogram has been the gold standard.

15 2. How about today, in this situation where you
16 already diagnosed the dissection, you want to
17 assess treatment and extent of dissection, which
18 one is superior?

19 A. I don't know if any one is superior. There are
20 certain areas on the aortogram that you get a
21 better look at and others the transesophageal
22 echo gives you a better look.

23 Q. Does the aortogram run a risk, though, of
24 extending the dissection?

25 A. The aortogram is done to diagnose the problem. I

1 don't know of cases where it has extended the
2 dissection once you have the catheter in the
3 aorta.

4 Q. What is the likelihood, through observation, that
5 this at this point in time, would have healed
6 medically?

7 A. Well, in this particular case we already know
8 that it involved a valve.

9 2. Go ahead.

10 A. So it is a nonquestion, if you will.

11 Q. So you are saying as of this point when the
12 second stent is down, do you have an opinion when
13 the valve became involved?

14 A. We can run the films and try to determine that.

15 Yes, you can.

16 Q. All right. At this point in time, though, you
17 have no opinion as to, more likely than not, what
18 her treatment plan should have been had this
19 procedure ended here or its success rate?

20 A. Can you rephrase the question?

21 Q. Before we move on, I would like to get a feel
22 from you as to had he stopped right now what her
23 outcome would have been, more likely than not?

24 A. Her outcome may have been no different.

25 Q. But more likely than not? "May" means maybe.

1 A. I just played the film back and forth to get an
2 idea of whether the valve was involved. At this
3 point in time the valve is involved.

4 Q. It is involved?

5 A. It is involved.

6 Q. And how was it that you based your opinion upon
7 this film that it was involved?

8 A. You can see the x-ray dye when it is injected in
9 the aorta, and also leaking into the left
10 ventricle, which is separated by the aortic
11 valve.

12 Q. And what is the significance of that, then, at
13 this point in time?

14 A. That indicates that the valve is involved in the
15 dissection process.

16 Q. Does that mean, then, that she would need surgery
17 immediately on the valve?

18 A. Aortic regurgitation or leaking aortic valve is
19 an indication for aortic surgery.

20 Q. But does it have to reach a certain grade before
21 it is indicated for surgery? In other words, is
22 it minimal? I know by the time this procedure
23 was done it was at a Level III or something like
24 that. How would you grade this aortic regurgita-
25 tion at this point?

1 A. It is difficult to be sure because an aortogram
2 is not being done at this point in time. But the
3 amount of x-ray dye you see in the left ventricle
4 suggests that it is more than minimal.

5 Q. Moderate or more than minimal approaching
6 moderate?

7 A. It may be severe at this point.

8 Q. How about more likely than not more than minimal
9 approaching moderate?

10 A. More likely than not it is severe.

11 Q. Right now she is a candidate for surgery, in your
12 opinion, if it was severe, or is that something
13 that you defer to the CT surgeons?

14 A. Obviously you have to consult with the CT
15 surgeons, but a dissection resulting in severe
16 aortic regurgitation is an indication for
17 surgery.

18 Q. Is there any likelihood of medical treatment
19 being enough right here if things stopped?

20 A. It is unlikely you are going to do anything with
21 medical treatment to substantially change the
22 outcome at this **point** in time.

23 Q. And that is **due** to?

24 A. Aortic valve involvement.

25 Q. Meaning she is a candidate for surgery, or are

1 you talking about changing the outcome with
 2 regard to the dissection, the extension of the
 3 dissection?

4 A. Which dissection are you talking about?

5 Q. The aortic.

6 A. The aortic dissection. The fact that the
 7 dissection involves the valve, how it appears
 8 that it is severe. How we certainly know that it
 9 was so. How we know it was a candidate for surgery.
 10 sure

11 Q. And how things stopped right now. What is the
 12 likelihood the dissection, aortic dissection,
 13 would have extended or not?

14 A. We don't know whether the aortic dissection has
 15 extended from this point forward. We don't know
 16 that.

17 Q. Would you please take me to the next picture,
 18 then. Did you take it back just now?

19 A. Yes.

20 Q. To the point of the second stent that we talked
 21 about before?

22 A. Yes

23 Q. Now you are proceeding forward again?

24 A. Yes. At this point in time we can see the aortic
 25 regurgitation. We can see contrast in the

1 dissection, and 'we can also see the dissection of
2 the right coronary artery at the area of the
3 -second stent.

4 Q. Now, is this a new picture?

5 A. This is the one you want me to go to, the next
6 picture?

7 Q. We were at the one with the stent, and then I
8 thought we went to one immediately after where
9 there was no equipment there, meaning guide wires
10 or anything. Was that the one just after that?

11 A. Let me go back and check to make sure that we are
12 both on the same page here.

13 MS. MASSEY: I thought the last
14 one was there was just a guide wire, no balloons,
15 but there was a guide wire.

16 MR. LOUCAS: Okay.

17 A. That is the stent. This has the guide wire in
18 it. You can see the dissection.

19 Q. Now, how is the passage, can you tell yet, of the
20 stent?

21 A. As I said, you can see still a significant
22 dissection in the right coronary artery.

23 Q. So the second stent wasn't placed -- I don't want
24 to say wasn't placed properly, but the dissection
25 is still in need of repair?

1 A. The dissection is not totally covered, that's
2 right.

3 2. But how about the flow through, is there impaired
4 flow through the stent?

5 A. The flow of blood is one indicator we use. And
6 the flow of blood appears not impaired at this
7 time, although there is a significant dissection.

8 2. Is the blood escaping from the dissection, the
9 blood flow?

10 A. We have to determine which dissection we are
11 talking about now. There are two dissections.
12 There is a dissection in the coronary artery, and
13 there is also a dissection in the aorta.

14 Q. When you said there was still a dissection, I
15 thought you meant the one he was trying to repair
16 with the stent?

17 A. That's correct, in the coronary artery.

18 Q. That RCA dissection, is there a leakage of blood
19 flow through that dissection? You said the blood
20 flow through the stent is adequate. I want to
21 know whether it is leaking through the dissection
22 into any adjoining surface or outside of the
23 coronary artery?

24 A. I don't see any x-ray dye leaking out of the
25 artery.

1 Q. Now, if we can go to the third picture. We have
2 covered two now, let's go to the third. The
3 first being, of course, the stent with the
4 balloon.

5 MR. VADNAL: I just want to know,
6 the word "third picture" could mean anything in
7 this.

8 MR. LOUCAS: I am asking the
9 doctor specifically to go to each new picture.
10 We used his terms so that we would be on common
11 ground.

12 A. This is next picture,

13 Q. What do you see there?

14 A. You can see x-ray dye in the false lumen of the
15 aortic dissection. You can see a guide wire in
16 the right coronary artery, and you can see the
17 pacemaker catheter.

18 Q. And at this point in time this was another
19 injection, I take it, or not?

20 A. Yes, another injection.

21 Q. Do you know the purpose of that injection?

22 A. The **purpose** of this injection, I assume, is to
23 look at the dissection in the right coronary
24 artery, where the stent had been deployed,
25 placed.

1 Q Where is the tip of the catheter?

2 A. The tip of the catheter is at the ostium of the
3 right coronary artery.

4 Q All right Doctor, if you could go to the next
5 picture Before we even talk about that one, did
6 you notice in the last one any difference in the
7 flow through that RCA, whether it was impeded or
8 not, or whether dye was leaking outside of the
9 RCA?

10 A. No, I didn't notice any difference.

11 Q. Now, on this one, what do you see here?

12 A On this particular picture the guide wire is
13 still in the right coronary artery The pacing
14 catheter is still in place, and the guiding
15 catheter has been pulled back slightly into the
16 aorta.

17 Q. Now, do you know what the purpose of that would
18 be or what the possibilities are for that?

19 A. This is the injection into the sinus of the
20 aorta.

21 Q. And what is that purpose? What is the purpose of
22 that injection?

23 A ell, when you inject into the sinus you can see
24 the extent of the dissection about the ostium of
25 the right coronary artery

1 Q. At this point in time, what is the extent of the
2 valvular disruption that you see?

3 A. At this point in time there is more x-ray dye in
4 the aorta, and you can see that the aortic valve
5 is leaking severely.

6 Q. Has it worsened?

7 A. I don't know whether it has worsened from the
8 previous picture or whether we are just seeing it
9 better because the catheter is now injecting
10 contrast into the aorta rather than down the
11 right coronary artery.

12 Q. How about the patency of the RCA and the leakage
13 outside of the stenting?

14 A. The dissection outside the stenting? You used
15 the word "leakage."

15 Q. Leakage, is there any dye leaking from the RCA?
17 We talked about patency of the stent and whether
18 or not any dye was leaking in that area.

19 A. I guess I don't understand your question about
20 leakage.

21 Q. I want to see whether or not there is a hole in
22 the RCA which may jeopardize her and needs
23 further repair, or whether it is okay to stop at
24 this point.

25 A. Well, there was never any evidence of a hole in

1 the right coronary artery. But there is evidence
2 of disruption of the lining of the artery, which
3 is the intima, and that is dissected, you can see
4 that.

5 Q. We talked about how to treat that aortic
6 dissection before, and you said, "Well, he could
7 go ahead and finish the job in the RCA and make
8 sure that it is not impeded," etcetera.

9 A. Correct.

10 Q. What are the treatment options at this point in
11 time?

12 A. Well, I would say at this point in time you
13 really don't have a good picture on this one run
14 of the right coronary artery. So we can't say
15 anything about the dissection of the right
16 coronary artery at the area of the second stent
17 on this picture. We can certainly say that the
18 aorta has been significantly dissected, and there
19 is leakage of blood into the left ventricle.

20 Q. And so what is the standard of care with regard
21 to the aorta, the aortic dissection?

22 A. At this point in time with severe aortic
23 regurgitation, the patient would become a
24 surgical candidate.

Q. That would be standard of care?

1 4. For this degree of aortic regurgitation, surgery
2 would be the treatment of choice.

3 Q. --Please take me to the next picture, then. What
4 do we see there?

5 A. The catheter has been advanced slightly to the
6 ostium of the right coronary artery, and now the
7 dissection in the right coronary artery at the
8 area of the second stent is visible.

9 Q. Is it threatening to collapse or cause her death
10 or risk of death?

11 A. Yes.

12 Q. So what happens now?

13 A. Well, at this point in time you have an option of
14 trying to cross that dissection with a balloon or
15 another stent.

16 Q. What would the purpose of the balloon be?

17 A. Sometimes the balloon is used to tack up or to
18 push the dissection against the wall.

19 Q. What is the basis of your opinion that she is at
20 risk of death right now?

21 A. Well, risk of death right now?

22 Q. From that coronary dissection.

23 A. If you look at angioplasties that are performed
24 with significant residual dissections, there is a
25 substantial rate of acute closure or total

1 blockage of that artery, which could result in a
2 very large heart attack.

3 Q. --What characteristics -- well, first of all, where
4 is the stent placement with respect to the
5 dissection? Is it at the area of dissection or
6 is it distal or proximal to it?

7 A. The area of the stent is probably proximal to the
8 area of dissection.

9 Q. So he never got the stent on the dissection; is
10 that it?

11 A. I can't tell for sure because this particular
12 stent is not clearly visible even on x-ray film.

13 Q. So you see the dissection, but you don't know
14 where the stent is because it is not visible?

15 A. The stent is not clearly visible on these films.

16 Q. So it could be right at the area of the
17 dissection?

18 A. It is probably not. It is probably prior to the
19 dissection.

20 Q. What do you base that on?

21 A. Well, if you look at the artery prior to the
22 stent, there is some smoothness of the contour of
23 the artery, and that would suggest that the stent
24 has expanded the inner lining against the wall of
25 the artery, giving it a smooth contour.

1 Where you see the dissection or the
2 irregular area, that would suggest that there is
3 not a stent there.

4 Q. All right. Please take me to the next picture.

5 A. The next picture is the aortogram. .

6 Q. All right. Thank you.

7 Do you know whether or not he ever got the
8 high pressure balloon on the stent?

9 A. In reviewing his catheter note, you can correct
10 me if I am wrong, I think he says he did not get
11 the high pressure balloon across the second
12 stent.

13 Q. So what would be an XPRT 3.0 balloon?

14 MR. VADNAL: R2D2.

15 A. It is another balloon, not a high pressure
16 balloon, I believe. I think it is made by USCI,
17 I believe.

18 Q. How about an Eclipse 3.0?

19 A. That is a standard angioplasty balloon, not a
20 high pressure balloon.

21 Q. In light of what you saw there, in light of the
22 dissection, the aortic dissection, had a high
23 pressure balloon been deployed in the presence of
24 that, would that have been substandard care or
25 not?

1 A. No, I don't think so.

2 Q. Why not?

3 A. As I stated, when you are working on the right
4 coronary artery, you want to do everything you
5 can to try to complete that part of the procedure
6 to insure adequate blood flow down the right
7 coronary artery.

8 Q. But the stent was not at the area of the
9 dissection, so of what benefit would it be to
10 apply high pressure to a stent at that point in
11 time while risking worsening of this aortic
12 dissection?

13 A. Well, there would be two possibilities. One
14 possibility is the stent that you have deployed
15 has not been high pressured, so it is not, perhaps,
16 fully expanded. And the other possibility is you
17 have a narrowing or a dissection distal to what
18 appears to be the stented segment.

19 Q. What risk are you running to the aortic
20 dissection?

21 A. I don't think the aortic dissection is materially
22 changed by what you do in the right coronary
23 artery at this point in time.

24 Q. And why not?

25 A. Because your guide wire is controlling the tip of

1 your guiding catheter, and you are able to direct
2 your balloons and your stents, if necessary, in
3 the correct direction.

4 Q. I take it from your 'discussions here this evening
5 that you are not of the opinion that when you
6 have an aortic dissection that you have to stop
7 the procedure?

8 A. I am of the opinion that you have to look at the
9 patient and then make a decision what is the best
10 course of action.

11 Q. And in this patient at no time did you have the
12 opinion where things should have stopped and
13 nothing more to be done with the RCA, correct?

14 A. In this particular patient, my particular
15 approach would have been to complete the
16 procedure and insure that the right coronary
17 artery was as completely treated as possible.

18 Q. Do you have any idea how long the extension was
19 at the time that he finished the angioplasty or
20 the interventive procedures?

21 A. On the aortic route injection you can see that it
22 is a very extensive dissection involving the
23 ascending aorta, nearly an entire length of the
24 ascending aorta.

25 Q. And based upon that and the operative findings,

1 does it extend at all from that point to the time
2 in surgery?

3 3. The aortogram shows a very extensive dissection.
4 Whether it extended further, I can't be sure.

5 2. More likely than not do you have an opinion as to
6 whether it extended further at all from the end
7 of the procedure to the time that we see the
8 surgical findings?

9 A. No, I don't have an opinion,

10 Q. Do you have an opinion as to what the percentage
11 likelihood of success of surgery would have been
12 immediately after the aortogram?

13 A. The success of 'surgery I think would have been
14 the same as it was.

15 Q. Fatality?

16 A. Well, in her particular case, she died as a
17 complication of the aortic dissection.

18 Q. What do you think is the cause of her death?

19 A. The right ventricle.

20 Q. You are aware that they were -- well, what is
21 your opinion as to why her right ventricle
22 failed?

23 A. Probably multiple reasons.

24 Q. Why don't you go ahead and list them for me.

25 A. One of the reasons, as I stated in my letter, was

1 that the surgeon was unable to deliver
2 cardioplegia solution into the right coronary
3 artery

4 Q. What are the other reasons? You said there were
5 multiple.

6 A. This patient has a dissection remaining in the
7 right coronary artery

8 Q. And you are saying the right coronary artery is
9 what caused her right heart failure. What does
10 that have to do with the cause or her death?

11 A. You develop right ventricular failure as a
12 result, most commonly, of infarction or lack of
13 blood flow to the right ventricle, which is
14 supplied by the right coronary artery

15 Q. You never saw by the end of that film shutdown of
16 the RCA, correct?

17 A. That's correct.

18 Q. Did you find any description in the operative
19 findings of a shutdown of the RCA?

20 A. I don't understand what kind of description.

21 Q. The dissection. I am sorry with the dissection
22 -- with the flap from the RCA dissection finally
23 closed off and cause a heart attack on her?

24 A. There would be no way of knowing.

25 Q. So you saw no evidence of that on the surgical

1 findings

2 A. The surgeon can't tell that. He can't look at
3 that artery and tell whether that flap is closed
4 or not.

5 Q. But what the surgeon did notice is that he could
6 not give the retrograde cycloplegia?

7 A. Cardioplegia.

8 Q. Because of the state of the aorta; is that
9 correct?

10 A. That's correct.

11 Q. And it is because the dissection was so
12 extensive; is that correct?

13 A. It is because the dissection involved the origin
14 of the right coronary artery.

15 Q. And he couldn't get in there because of the flap,
16 correct?

17 A. By definition, the origin of the right coronary
18 artery was involved.

19 Q. Do you know, more likely than not, which one
20 caused the right heart failure, the inability to
21 give the cardioplegia, or that a flap closed down
22 on the RCA causing an infarct?

23 A. I can't tell you which one. I just know that her
24 right ventricle wasn't working after surgery.

Q. Had this angioplasty been successful of her RCA,

1 do you have an opinion as to what her life
2 expectancy would have been if she were 62 years
3 old?

4 A. No, I don't have a specific opinion about her
5 life expectancy.

6 Q. Is there any reason to believe that she would not
7 have lived a normal life expectancy for a woman
8 her age had the angioplasty been successful?

9 MR. VADNAL: Objection.

10 A. She would not have lived normal life expectancy
11 given she is 62 with coronary disease and
12 extensive atherosclerosis of her aorta.

13 Q. Thank you, Doctor. I have no further questions.

14 Do you have any plans to testify, as we sit
15 here today?

16 A. What is the date of the trial?

17 MR. VADNAL: The trial is the
18 23rd.

19 A. Of this month? That is what day of the week now
20 would I be testifying?

21 MR. VADNAL: That is a Wednesday.

22 I need to talk to him about that, so I don't
23 know if he can answer that or not.

24 Q. I know I have one more for you.

25 At trial, would it be sufficient in

1 explaining the basis of your opinions to use a
2 VHS tape, or would you feel it necessary to use a
3 cine. film, or do you have any preference at all?

4 A. I would use a cine, film, myself,

5 MR. LOUCAS: Thank you, Doctor. I
6 have no further questions.

7 - - -

8 (Deposition concluded at 5:50 p.m.)

9

10

11

12

13

WILLIAM B. BAUMAN, M.D.

14

15

16

17

18

19

20

21

22

23

24

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25


State of Ohio,)
) SS:
County of Cuyahoga.)

I, Diane M. Stevenson, a Registered Merit Reporter, Certified Realtime Reporter, and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, **WILLIAM B. BAUMAN**, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed by means of computer-aided transcription, and that the foregoing is a true and correct transcript of the testimony as given by him as aforesaid.


I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 1st day of FEBRUARY, 2000.


Diane M. (Stevenson, RMR, CRR
Notary Public in and for
The State of Ohio.

My Commission expires October 31, 2000.



10

[illegible]