

1 The State of Ohio, )  
 ) SS:  
2 County of Lorain. )

3 IN THE COURT OF COMMON PLEAS

4 Henrietta K. Spremulli,  
5 Plaintiff,

6 vs.

No. 93CV111121

7 Bassel Safi, M.D., et al,  
8 Defendants.

9 \* \* \*

10  
11  
12 Deposition of, WILLIAM B. BAUMAN, M.D.,  
13 called as a witness by the Plaintiffs, taken  
14 before Kathleen A. Hopkins Durrant, a Notary  
15 Public within and for the State of Ohio, at the  
16 Offices of William B. Bauman, M.D., 55 Arch  
17 Street, Suite 1-A, Professional Center South,  
18 Akron, Ohio, on Wednesday, the 4th day of October,  
19 1995, at 3:30 p.m., pursuant to notice.

20  
21 \* \* \*

1 APPEARANCES:

2 On behalf of the Plaintiff:

3 -- Michael F. Becker, Esq.  
4 Joanne Sysack, R.N.  
5 Becker & Mishkind Co., LPA  
6 134 Middle Avenue  
7 Elyria, Ohio 44035

8 On behalf of Defendant Drs. Zahra & Bolla:

9 Leslie J. Spisak, Esq.  
10 Reminger & Reminger Co., LPA  
11 113 St. Clair Building  
12 Cleveland, Ohio 44114

13 \* \* \*

1 WILLIAM B. BAUMAN, M.D.,  
2 of lawful age, called as a witness by  
3 the Plaintiff~, being by me first duly  
4 sworn as hereinafter certified,  
5 deposed and said as follows:

6 CROSS- EXAMINATION OF WILLIAM B. BAUMAN, M.D.:

7 BY MR. BECKER:

8 Q. Hi, Doctor. I'm Michael Becker, and we have just  
9 been introduced.

10 Would you tell us your full name, please?

11 A. I'm Dr. William Bauman.

12 Q. Do you have a vitae, Doctor?

13 MR. BECKER: Do you know what, I  
14 think I do have one.

15 MR. SPISAK: I think you do  
16 too. I have a copy of one here, but I think we  
17 sent it to you. Yeah.

18 \* \* \*

19 CURRICULUM VITAE MARKED PLAINTIFF'S EXHIBIT 1  
20 FOR IDENTIFICATION.

21 \* \* \*

22 Q. What is your business address?

23 A. 55 Arch Street, Akron, Ohio.

24 Q. You have had your deposition taken before,  
25 correct?

1 A. Yes.

2 Q. Okay. Just to review things, Doctor, this is a  
3 question and answer session under oath. It is  
4 important that you understand the question that I  
5 pose to you. If the question is inartfully  
6 phrased or doesn't make any sense, I would just  
7 ask you to bear with me and tell me that it  
8 doesn't make any sense. I will be glad to  
9 rephrase or restate the question. Fair enough?

10 A. Sure,

11 Q. but unless you indicate otherwise to me, I'm going  
12 to assume that you fully understnad the question  
13 that's been posed. Okay?

14 A. Yes.

15 MR. BECKER: Les, there are a  
16 couple things in here that I'd like copies of that  
17 look different from what I have.

18 MR. SPISAK: Okay. Tell me  
19 what.

20 MR. BECKER: Okay. So before we  
21 leave, we'll get that accomplished.

22 Q. Doctor, I want to talk a little bit about your  
23 medical/legal experience, acting as an expert.

24 I know that you have acted as an expert  
25 before. In fact, I think you testified on behalf

1 of Mr. Spisak's partner, Mr. Goldwasser in a  
2 Cleveland Clinic case.

3 A. That is correct.

4 Q. And that was in the last six months or so,  
5 roughly?

6 A. Yes, roughly six months.

7 Q. I think I have that depo here.

8 Have you ever worked with Mr. Spisak before?

9 A. No, I haven't.

10 Q. Other than the one case for Mr. Goldwasser, any  
11 other cases on behalf of the law firm of Reminger  
12 and Reminger?

13 A. Marc Groedel sent me a case to review, but I don't  
12 think it came to deposition or to Court.

15 Q. Are you going to act as an expert on behalf of  
16 Marc if called upon?

17 A. I think the case in question has been settled.  
18 It's been some time ago.

19 Q. Okay. Did you give an opinion on that case?

20 A. Yes, I did.

21 Q. Did you write a report on that case?

22 A. I wrote him a letter, yes.

23 Q. Do you remember the name of the case?

24 A. No, I don't. It's been a few years ago.

25 Q. Did you conclude that that case was defensible?

1 A Actually in that particular case, as I recall I  
2 did not think it was a defensible case.

3 Q Okay. Handing you what's been marked as  
4 Plaintiff's Exhibit 1, would you identify that for  
5 us, please?

6 A. Yes. This is my curriculum vitae.

7 Q. Is it current?

8 A. Yes, it looks to be current.

9 Q. Any other publications or abstracts that you have  
10 authored or coauthored that are not noted on that  
11 vitae?

12 A. There is an abstract that was submitted recently  
13 to the American Heart -- American College of  
14 Cardiology.

15 Q. What is the medical subject matter?

16 A. Coronary stenting.

17 Q. Boy, do I know a lot about stenting.

18 A. Has to do with angioplasty.

19 Q. What type of stent?

20 A. It has to do with coronary stent.

21 Q. What brand of stent or is it a general, generic?

22 A. It's a general, generic.

23 Q. Is this your only vitae? Some physicians have  
24 vitae for professionals, some for medical/legal  
25 and some just: have a routine vitae they use all

1 the time.

2 A. That's it, one and the same.

3 Q. And I think I noted you are Board certified? —

4 A. That's correct,

5 Q. And in internal medicine as well as cardiovascular  
6 disease?

7 A. That's correct.

8 Q. I assume, Doctor, you passed those Boards on your  
9 first attempt?

10 A. Yea.

11 Q. And you've written one report on this case, is  
12 that accurate?

13 A. Yes.

14 Q. Any other letters or report<sup>3</sup> to Mr. Spisak that  
15 are not within the file that I have just looked  
16 at?

17 A. No.

18 Q. Okay. Any rough draft<sup>3</sup> of your report?

19 A. No.

20 Q. Have you had an opportunity to review the report  
21 prior to today's deposition?

22 A. I just read the letter that I wrote to Mr. fpisak  
23 dated April '95.

24 Q. You still want to stand on that report; do you  
25 want to make any corrections, additions?

1 A. No, that's fine.

2 Q. Since you drafted that report, Doctor, I'm  
3 gathering, have you looked at any additional -  
4 information, any depositions?

5 A. I received an additional deposition of Dr. Felt, I  
6 believe is his name. And I just looked at that  
7 within the last couple of weeks.

8 Q. Okay. Do you have any personal notes, Doctor,  
9 that were generated as a result of your review of  
10 this case?

11 A. No.

12 Q. I'm assuming then that you have not looked at  
13 Henrietta Spremulli's deposition nor her  
14 daughter's, Kathleen White?

15 A. No, I have not looked at that deposition.

16 Q. Have you been given a verbal summary of Henrietta  
17 and/or her daughter's deposition by Mr. Spisak?

18 A. We discussed a few minutes ago before you came in  
19 a few points regarding that deposition.

20 Q. May I ask what was related to you then, Doctor?

21 THE WITNESS: What did we  
22 discuss?

23 MR. SPISAK: Mrs. Spremulli's  
24 position relative to what took place on the 18th  
25 and 21st, as far as the examination and so forth.



I THE WITNESS: Okay.

2 MR. SPISAK: I sort of gave Dr.  
3 Bauman a verbal summary of what the Plaintiff—  
4 testified to in that respect.

5 Q. Okay, Doctor, you have not had any problem with  
6 your license ever being called into -- I have to  
7 ask these questions, bear with me -- ever being  
8 called into a question or suspended or revoked?

9 A. No.

10 Q. The same question as to any hospital privileges,  
11 any of them ever called into question, suspended  
12 or revoked?

13 A. No.

14 Q. Have you ever given a lecture or spoken to  
15 attorneys who defend medical providers?

16 A. Yes.

17 Q. Okay. When was that?

18 A. I reviewed a case regarding a patient that had a  
19 particular problem for a plaintiff's attorney; if  
20 that's what you're asking.

21 Q. I didn't follow you.

22 A. I reviewed a case for a plaintiff's attorney.

23 Q. Right.

24 A. Regarding a damage question regarding a particular  
25 patient.

1 Q. Okay.

2 A In answer to **your** question regarding did I give  
3 lectures, no, I did not give lectures. —

4 Q That's what I meant. Some experts go **aut** and even  
5 make presentation<sup>3</sup> to either the defense bar or  
6 the plaintiff's bar. That's what I was asking.

7 A No. I misunderstood the question. I have not  
8 done that.

9 Q It might be me that's not making it clear.

10 We talked a little bit about your  
11 medical/legal experience. We talked about **your**  
12 involvement with the Reminger firm. What about  
13 other firms; how many cases in total have **you**  
14 reviewed?

15 A. I have reviewed cases for P.I.E. Exact number,  
16 I'm not sure, but it's certainly less than a half  
17 a dozen.

18 Q. Okay.

19 A. Over probably the last eight to ten years.

20 Q. All right. And when you say P.I.E., you mean  
21 Jacobson, Maynard?

22 A. That's correct.

23 Q. Anybody **else**?

24 A. Not that I can recall, no.

25 Q. So maybe three or four on behalf of Reminger's

1 office and half a dozen on behalf of Jacobson,  
2 Maynard, would that be the extent of it, nine or  
3 ten cases?

4 A Yes. Certainly less than a dozen cases.

5 Q In total reviewed?

6 A Total.

7 Q Now, have you reviewed any cases on behalf of the  
8 patient? I think maybe you're giving me an  
9 indication of that,

10 A. Yes, right.

11 Q. One case, sir?

12 A. Yes, I did review a case.

13 Q. And who was the plaintiff's attorney?

14 A. The plaintiff's attorney was an attorney in  
15 Akron. And I'm trying to remember his name. I  
16 don't recall his name. He's a plaintiff's  
17 attorney.

18 Q. Okay. Did you find that there was substandard  
19 care in that review?

20 A. This was a, this was a damage case involving an  
21 auto accident where there was --

22 Q. So it wasn't a malpractice case?

23 A. It was not malpractice, no.

24 Q. It wasn't a lawsuit against the medical provider?

25 A. That's correct.

1 Q. So you **helped** the plaintiff's attorney tie in some  
2 **damages** that flowed from the automobile accident?

3 A. That is correct.

4 Q. You've never acted as an expert on behalf of the  
5 **patient** in a medical malpractice case, is that  
6 fair?

7 A. I've never testified in deposition or trial in  
8 that manner, but I have reviewed cases and felt  
9 that there was malpractice, and I have told the  
10 attorney so.

11 Q. Okay. And how many times has that been?

12 A. Less than a half a dozen.

13 Q. What plaintiff's attorneys would those be? You  
14 say **leas** than a dozen or --

15 A. Less than a half a dozen.

16 You're asking me to recall the plaintiff's  
17 attorney?

18 Q. If you **can't** recall, that's fine,

19 A. Yeah, there was a plaintiff's **attorney** here in  
20 town that **asked** me to review.

21 Q. A couple cases for him?

22 A. Yeah.

23 Q. Scanlon & Gearinger's office; Tom Henretta, Larry  
24 Scanlon?

25 A. Marty Rosen's firm, which I think is now changed,

1 split.

2 Q. All right. Let's go on, Doctor.

3 Have you ever reviewed a case, whether on-  
4 behalf of the medical provider or the patient,  
5 where one of the issues involved is a hematoma or  
6 infected hematoma that developed at or about a  
7 groin site post catheterization?

8 A No. I have never given deposition.

9 Q Have you ever reviewed a case?

10 A No, I haven't.

11 Q That had that similar subject matter?

12 A No.

13 Q Are you a P.I.E. insured?

14 A Yes, I am.

15 Q Any textbooks that you consider the most reliable  
16 in the field of cardiology?

17 A There's many textbooks.

18 Q Any that you feel are authoritative?

19 A The major ones that are cited are usually  
20 Braunwald or Hurst.

21 Q Same question as to journal articles.

22 A Journal articles?

23 Q Yeah. Journal publications. I didn't mean to say  
24 articles. Journal publications.

25 A I read the journal Circulation. And I read the

1 journal the American College of Cardiology. And I  
2 read Cardiovascular Catheterization and Diagnosis,  
3 Journal of Invasive Cardiology, New England -  
4 Journal of Medicine, Annals of Internal Medicine.  
5 Those are the major ones I feel like.

6 Q. E learned recently, Doctor, that there's a  
7 difference between an invasive cardiologist and an  
8 interventional cardiologist. What do you consider  
9 yourself?

10 A. An invasive cardiologist would be a cardiologist  
11 that performs a diagnostic cardiac  
12 catheterization. An interventional cardiologist  
13 performs diagnostic cardiac catheterization and  
14 also performs procedures under the generic term  
15 angioplasty.

16 Q. And do you?

17 A. I am an invasive interventional cardiologist.

18 Q. Okay. You do both?

19 A. Both, right.

20 Q. Do you know any of the physicians involved in this  
21 case; Dr. Zahra, Bulla?

22 A. No, I don't know them.

23 Q. Have you had any contact with any of the  
24 physicians involved in this case?

25 A. I have not.

1 Q. Was everything you needed to review this case sent  
2 to you or did you need to know certain other  
3 things and request that of Mr. Spisak?

4 A. The material I reviewed was sent to me. And I  
5 didn't request anything further.

6 Q. Can we agree, Doctor, that the formation of a  
7 hematoma or the formation of a false aneurysm post  
8 catheterization is a fairly common complication  
9 from heart catheterization?

10 A. Formation of a hematoma is fairly common. A false  
11 aneurysm is much **less** common following  
12 catheterization.

13 Q. Is the formation of a false aneurysm still  
14 recognized as a potential complication from a  
15 catheterization in the groin site?

16 A. That's true.

17 Q. Has that ever happened to you?

18 A. Yes.

19 Q. How many times?

20 A. I can't give you a precise number.

21 Q. Are we talking fifty to a hundred?

22 A. Well, if I perform 500 procedures plus **per** year,  
23 perhaps one a month.

24 Q. Okay,

25 A. Something like that. Again, that's not a firm

1 number, but it's more of an estimate,

2 Q. How do you diagnose a hematoma or a false aneurysm  
3 combined with a hematoma in a patient?

4 A. Hematoma is diagnosed primarily by physical  
5 examination.

6 Q. And what about false aneurysm?

7 A. A false aneurysm is often diagnosed by physical  
8 examination and then may be confirmed with either  
9 invasive angiography or commonly ultrasound.

10 Q. In people that are obese or overweight, is it more  
11 difficult to make the assessment as to whether the  
12 patient truly has a false aneurysm and/or a  
13 hematoma?

14 A. Usually you can make the assessment in an obese  
15 patient. It may be more difficult than in a very  
16 thin patient, but, nonetheless, on physical exam  
17 usually you can, you can make that diagnosis.

18 Q. As you know in this circumstance, at the time of  
19 Henrietta's --

20 You have the St. Joseph's Hospital records,  
21 don't you? Did I see those in there?

22 MR. SPISAK: I believe he does.

23 A Yes.

24 Q At the time of her surgery in October, a hematoma  
25 and a false aneurysm was discovered by the



1 attending surgeons, you are aware of that?

2 A. Yes.

3 Q. Given that fact, Doctor, would you agree with me  
4 that it's more likely than not that on the 21st of  
5 September Mrs. Spremulli had a false aneurysm and  
6 hematoma?

7 A. Would you review the dates, just so I understand  
8 what we're talking about here?

9 Q. Okay. I think that she was discharged from --  
10 this may help you to work it forward.

11 She was discharged from the hospital around  
12 the 7th of September.

13 A. Uh-huh.

14 Q. After her angioplasty.

15 A. Okay.

16 Q. And then I know that she saw Dr. Zahra on the 18th  
17 of September, saw Bolla on the 21st of September,  
18 saw Dr. Wilder on the 21st of September, and then  
19 she was admitted to St. Joseph Hospital on --

20 MR. SPISAK: The 23rd.

21 Q. The 23rd of September. Help you?

22 A. Right.

23 e' Can you answer my question?

24 e' Okay. Can you repeat the question?

25 Q. Sure.

1           Do you think it's more likely than not that  
2           there was a false aneurysm and hematoma combined  
3           back on September 21st?

4   A.   That's difficult to say. And the reason I say  
5           that is, because this is an infected hematoma. It  
6           is not infected pseudo aneurysm. It is not a  
7           straight forward hematoma or a straight forward  
8           pseudo aneurysm.

9   Q.   Are you suggesting that maybe the false aneurysm  
10          came about secondary to an infected hematoma?

11   A.   One of the causes for a false aneurysm can be  
12          infection. And so the question is, did the  
13          infection cause the false aneurysm or did they  
14          both coexist.

15   Q.   Right. I appreciate that. In fact, if you  
16          recall, that's what Dr. Feit outlined in his  
17          deposition.

18                Do you have an opinion though as to which,  
19                which scenario is more likely?

20   A.   I can't tell from the records which is more likely  
21          or not.

22   Q.   Do you have an opinion, Doctor, whether there was  
23          at least a hematoma present when Dr. Bolla saw  
24          Mrs. Spremulli on the 21st of September?

25   A.   Okay. Dr. Bolla was the physician that examined

1 her at Lutheran Hospital?

2 MR. SPISAK: I think not. That  
3 was Dr. Zahra on the 18th.

4 Q. Zahra.

5 MR. SPISAR: Dr. Bolla saw her  
6 in his office on the 21st.

7 THE WITNESS: Okay.

8 A. Okay. Dr. Bolla's note of 3-21-92, does not  
9 suggest that there was a pseudo aneurysm or a  
10 hematoma present.

11 Q. Doesn't answer my question, Doctor.

12 My question to you, sir, is, do you think  
13 it's more likely than not that given the fact that  
14 she came into St. Joseph Hospital on the 23rd of  
15 September with an infected hematoma, do you think  
16 it's more likely than not that she had a hematoma  
17 present on the 21st of September?

18 MR. SPISAK: Okay. Before you  
19 answer that, you want him to use the hindsight of  
20 what happened on the 23rd to tell you if he thinks  
21 something was present on the 21st?

22 Q. You also can couple that with foresight, the fact  
23 that Dr. Wilder made a diagnosis on the same day  
24 as Bolla, those two combined factors, do you think  
25 it's more likely than not, Doctor, that a hematoma

I was present on the 21st of September?

2 A. You asked me about Dr. Wilder, you said Dr. Wilder  
3 made a diagnosis of a hematoma? —

4 Q. You are not aware of that, sir?

5 A. I am aware that Dr. Wilder -- that there was some  
6 question of a hematoma in Dr. Wilder's mind, but I  
7 never saw his records, is what I'm asking, is what  
8 I'm referring to.

9 Q. Okay. I thought we had sent those to you.

10 MR. SPISAK: Do you have a copy  
11 of Wilder's notes handy? I do not, if it's not in  
12 here. You are aware of that?

13 A. I'm aware of it, but I did not review his office  
14 records as I have reviewed Dr. Bolla's records.

15 Q. Let's back up, Doctor.

16 Taking Dr. Wilder's opinions and findings  
17 aside, putting those aside for a moment, do you  
18 think it's more likely than not that because she  
19 presented with an infected hematoma on the 23rd of  
20 September that she likely had a hematoma on the  
21 21st?

22 MR. SPISAK: Okay. Now, I'm just  
23 going to note my objection, because I'm not sure  
24 that that's an accurate statement, but go ahead  
25 and answer the question if you can.

1 A. In reviewing Dr. Bolla's note there is nothing in  
2 his note or physical exam that would support the  
3 fact that a hematoma was present on the 21st.—

4 The fact that she was found to have an  
5 infected hematoma on the 23rd, obviously means it  
6 must have begun at some point prior to the 23rd.  
7 And I can't tell you when that began.

8 Q. Well, do you have any sense as to how long it  
9 would take a hematoma to get infected to the point  
10 as presented on the 23rd, I mean?

11 A. The question I have is whether the infection led  
12 to the hematoma or whether the hematoma was  
13 complicated by infection.

14 Q All right. Well, can you explain to me how an  
15 infection can cause a hematoma?

16 A. If you have an infection of the wall of the  
17 artery, it will weaken the wall of the artery. It  
18 may lead to bleeding, which can lead to hematoma,  
19 It may lead to false aneurysm as a complication,

20 Q And that may lead to a hematoma, the false  
21 aneurysm?

22 A And that obviously has blood within it and has a  
23 hematoma.

24 So the question I have in this particular  
25 case is, was there a smoldering infection that

1 then secondarily developed hematoma and pseudo  
2 aneurysm or was a pseudo aneurysm/hematoma already  
3 present that then became secondarily infected,  
4 Q. Okay. When a patient, when a physician sees a  
5 patient two week3 post discharge from  
6 catheterization and the patient complains of groin  
7 pain --

8 A. Ye3.

9 Q. -- you'd agree with me, Doctor, that within the  
10 doctor's differential he's got to be considering  
11 either a hematoma and/or a false aneurysm, is that  
12 true?

13 A. Absolutely.

14 Q. He's got a duty and responsibility to check those  
15 out, correct?

16 A. Right.

17 Q. And to meet that duty and responsibility, he ha3  
18 to make a physical exam to find what's wrong with  
19 the patient, correct?

20 A. A3 I mentioned, that's one of the major way3 we  
21 diagnose the problem.

22 Q. And, in fact, Doctor, if it wa3 a patient that  
23 came to see you and the patient was mobile prior  
24 to the catheterization, but came to see you two  
25 weeks later in a wheelchair, that would cause you,

1 and complained of groin pain, that would cause you  
2 to **have** heightened concern about a hematoma or  
3 false aneurysm, correct? —

4 A Sure.

5 Q And the standard of care of a physician who is  
6 presented with a patient that has groin pain,  
7 particularly if the patient is in a wheelchair  
8 because of the groin pain, is first to **get** the  
9 patient on the table, undress her and make an  
10 examination, correct?

11 A Make an examination, **yes**.

12 Q And if Dr. Bolla failed to do that, that would be  
13 negligence, correct?

14 MR. SPISAK: I'm going to object  
15 to the word **negligence**.

16 Q. That would be negligent?

17 MR. SPISAK: That's not a  
18 conclusion, **that's** not a conclusion that this  
19 witness should make.

20 MR. BECKER: Oh, all right.

21 Q. That would be substandard care?

22 A. That would not be the standard of care.

23 Q. **And** if on the 18th of September, and on the 18th  
24 of September if she appeared in Dr. Zahra's office  
25 with the same complaints of pain in the groin and

1 also in a wheelchair, Dr. Zahra would have a  
2 responsibility again to put her on a table,  
3 undress her and make an examination, fair enough?

4 A Dr. Zahra?

5 Q Zahra.

6 A Zahra and Bolla.

7 Zahra, right. Zahra.

8 Q Is that fair, Doctor, the sole responsibility  
9 would be on Zahra?

10 A Yes.

11 Q Okay. And if Dr. Zahra failed to do that, that  
12 would be substandard care?

13 A. Uh-huh.

14 Q. Yes?

15 A. Yes.

16 Q. You are going to have to answer verbally, because  
17 it's difficult for her to pick up a head nod.

18 Do you think there's anything unusual about  
19 Dr. Zahra's office note?

20 You've got it right in front of you. I just  
21 saw it there a few minutes ago,

22 A Dr. Bolla's is typed. Dr. Zahra's is handwritten.

23 Q Take a look at the handwritten note, Doctor, a  
24 minute, because this occurred in 1992. Take a  
25 look at that entry and tell me if you see anything



1 unusual about that Entry?

2 A. I don't see anything unusual about it.

3 Q. Let me make sure we're looking at the same note.

4 A. I think it's this one on the 18th.

5 Q. Yes. You notice the year that he wrote that note  
6 in?

7 A. '92 it says, I believe. Or is it '94? I can't  
8 see it.

9 MR. SPISAK: It's right here.

10 A. '92, 9-18-92.

11 Q. Do you see '93 here? You see that date? You  
12 didn't note that?

13 A. I didn't note that, no.

14 MR. SPISAK: What he's talking  
15 about, the PTCA at Fairview General, 9-4 of 93, is  
16 that what you're referring to?

17 MR. BECKER: Right.

18 A. I didn't note that. I assumed he was talking  
19 about the cath and PTCA.

20 Q. Okay.

21 MR. BECKER: This is the page I  
22 want photocopied, Les.

23 Q. Now, what is prudent management if you diagnose a  
24 hematoma and/or false aneurysm?

25 A. Well, the management of hematoma and the

1 management of **false** aneurysm are different.

2 Q. Okay. If you are examining a patient and you, and  
3 they're both within your differential, then the  
4 standard of care is for you to rule out a false  
5 aneurysm?

6 A. Not necessarily rule it out. It depend<sup>3</sup> how  
7 symptomatic the patient is.

8 Q. What if the patient is in pain and presents in a  
9 wheelchair because of the pain, difficulty  
10 walking?

11 A. I guess what I'm implying, there are methods to  
12 treat a false aneurysm that could be conservative  
13 measures and there would be less conservative  
14 measures, like calling a vascular surgeon and  
15 having this pseudo aneurysm corrected surgically.

16 Q. What are the methods, what are the conservative  
17 methods to treat a false aneurysm?

18 A. Sometimes false aneurysms will spontaneously  
19 resolve on their own and every surgical -- every  
20 false aneurysm does not require surgical  
21 treatment.

22 Q. I was under the impression, Doctor, that false  
23 aneurysms are treated one of two ways; either  
24 surgical intervention and/or putting the patient  
25 on bed rest in the hospital, observing her, doing

1 blood counts, and applying pressure?

2 A. No, I don't think that's necessarily required. As  
3 I said, if you have a small pseudo aneurysm you  
4 may send the patient home to bed rest and plan to  
5 follow the patient up in a few days or a week  
6 depending on the progress of the problem.

7 Q. But you want bed rest, because you don't want the  
8 patient walking around because that could make the  
9 problem worse, correct?

10 A. Usually patients want to stay in bed or stay  
11 relatively sedentary, because whether it be a  
12 pseudo aneurysm or a hematoma, there's some  
13 discomfort associated with having a swelling in  
14 that area.

15 Q. Even the act of standing up on a false aneurysm or  
16 hematoma would increase the risk of it getting  
17 worse?

18 A. I'm not sure, having done --

19 Q. Would you refer that to a vascular surgeon?

20 A. I see patients that have hematomas in the office,  
21 and I don't necessarily send them home to strict  
22 bed rest. So it depends on the size of the  
23 aneurysm and the amount of problem the patient is  
24 having.

25 Q. All right. Let's -- I'm jumping around here. I

1 don't mean to do that to you,

2 But let's talk about management of the  
3 hematoma. What is the appropriate management?—

4 A. Sure. Hell, let's talk about what is a hematoma  
5 first off.

6 When you have a hematoma there is blood in  
7 the tissues around the artery. The blood may have  
8 come from blood seeping out of the artery around  
9 the catheter that had been placed in the artery.

10 Q. Okay.

11 A. If the artery is sealed and there is simply a  
12 collection of blood, the body's own mechanisms are  
13 able to recover or to resolve that problem in  
14 time. But it may take two or three weeks for that  
15 to happen, sometimes longer, but average two or  
16 three weeks. Small hematomas are pretty common.  
17 They may only take a couple of days to resolve.

18 Now, if you have a small pseudo aneurysm,  
19 sometimes a small pseudo aneurysm will clot off on  
20 its own. A pseudo aneurysm implies a connection  
21 between the inner portion of the artery and the,  
22 the false sac or the false aneurysm. If the neck  
23 is very narrow where the blood is going into the  
24 false sac, sometimes the blood will clot and the  
25 false aneurysm will heal on its own. Those are

1 the ones you can treat conservatively.

2 If you have a larger opening, or if  
3 conservative therapy hasn't been successful, they  
4 may require ultrasound compression or sometimes  
5 surgical repair of the neck of that false  
6 aneurysm.

7 Q. Isn't there a risk, particularly in an obese  
8 diabetic patient who has a hematoma, of infection  
9 if no action is taken?

10 A. Infection of an aneurysm -- of a hematoma is  
11 exceedingly rare. Infection is more common in  
12 diabetics.

13 There is no reason to do anything about a  
14 hematoma in a diabetic or nondiabetic in terms of  
15 an infection unless you suspect an infection is  
16 already present. But the hematoma in itself does  
17 not lead to an infection.

18 Q. Hell, doesn't the hematoma increase the likelihood  
19 of an infection?

20 A. The manner in which one would get an infection if  
21 one has a hematoma would involve some break in the  
22 integrity of the skin, for instance, where the  
23 sheath may go through the skin. So you can get a  
24 hematoma and an infection where a catheter went  
25 through the skin. That can happen.

1 But if the catheter is out and the hematoma  
2 is in there and the skin is sealed over, I don't  
3 see that the hematoma should be a major problem  
4 for infection.

5 Q. Would you defer that opinion to an infectious  
6 disease specialist?

7 A. An infectious disease specialist certainly would  
8 have an opinion regarding this situation.

9 I feel comfortable giving an opinion in the  
10 sense that I have a lot of experience with  
11 catheterization and complications related to  
12 that. So, no, I **feel** comfortable giving an  
13 opinion in that isolated area.

14 Q. Well, have you ever had patients whose hematomas  
15 go on to become infected?

16 A. I have never had an infected hematoma, but I have  
17 certainly had an infection around the catheter  
18 site in patients that have had a sheath or a  
19 catheter in the artery.

20 Q. In place?

21 A. Either in place or after it's been removed, yes.

22 Q. Well, do you have an opinion whether or not there  
23 was -- that she presented at St. Joseph's Hospital  
24 with an infected hematoma?

25 A. She obviously had an infected hematoma, because

1 the subsequent hospital records disclose that's  
2 what it was, yes.

3 Q. And my question was, has that ever happened to-  
4 you, to any of your patients, where the hematoma  
5 became infected?

6 A. No, I have never had an infected hematoma. I have  
7 had an infection around the catheter site and I  
8 have had hematoma, hut I have never had the two  
9 together.

10 Q. Okay. So since you have never had a patient  
11 that's developed an infected hematoma, then it  
12 would be safe to say you have never had a patient  
13 that's gone on to develop necrotizing fascitis as  
14 the result of a mismanagement or as a result of  
15 progression of the infected hematoma?

16 A. I have never seen a case personally, and in fact I  
17 haven't, I haven't read about a case, but it  
18 certainly makes sense when you understand the  
19 pathogenesis of the problem.

20 Q. Well, do you have any criticism of any of the  
21 other medical providers that rendered care to Mrs.  
22 Spremulli?

23 A. Do I have any criticism of the other medical --  
24 can you be more specific?

25 Q. I can't be any more specific than to say, are you

1 critical of anyone -- apparently it is your  
2 opinion that Drs. Bolla and Zahra rendered the  
3 appropriate standard of care? —

4 A. That's what I'm testifying, yes.

5 Q. And you're basing that opinion solely on your  
6 interpretation of their chart, correct?

7 A. I have reviewed their chart and I have reviewed  
8 the medical records which are before me in  
9 relation to this case.

10 Q. Okay. Do you have any criticism of any of the  
11 physicians that rendered care to Mrs. Spremulli  
12 once she entered St. Joseph Hospital?

13 MR. SPISAK: Mike, I'll make it  
14 easier. I don't intend to ask Dr. Bauman --

15 MR. BECKER: That's fine.

16 ME, SPISAK: -- any questions as  
17 it relates to his opinions regarding anyone other  
18 than Drs. Bolla and Zahra.

19 Q. Well, Doctor, given what Mr. Spisak has told you  
20 prior to the deposition, does that change any of  
21 your opinions relative to the quality of care  
22 these two doctors rendered to Mrs. Spremulli?

23 A. No.

24 Q. Okay. Can I assume then that you are discounting  
25 what the patient have and her daughter have stated



1 under oath?

2 A. As I said, I have not read the specific deposition  
3 of the Plaintiff, but the gist of what was in that  
4 testimony was discussed. And I see no reason to  
5 change what my opinion is.

6 Q Do you think that baaed on the summary you've been  
7 verbally given, do you think that is inconsistent  
8 with the doctors' records?

9 A No, I don't think it's inconsistent.

10 Q Okay. Have you ever lectured or given any type of  
11 written presentation on the subject matter of  
12 managing hematomas and false aneurysms post  
13 catheterization?

14 A. No.

15 Q. When do you consult with a vascular surgeon when  
16 you have a suspected hematoma in a patient's leg?

17 A It depends upon the severity of the problem.

18 Early on in my career I would consult very  
19 frequently. And now, frankly, the cardiologists  
20 manage a lot of these patients ourselves without  
24 consultation from a vascular surgeon.

22 If I feel the patient needs a surgical  
23 procedure, fur instance, unsuccessful closure with  
24 ultrasound of a pseudo aneurysm, then I would call  
25 a vascular surgeon and say, I have a patient that

1       **has** a pseudo aneurysm and i think the patient will  
2       need to have your services.

3   Q.   Hell, hypothetically, Doctor, you diagnose at—  
4       least a hematoma in a patient that is complaining  
5       of severe pain in the groin, who has to present  
6       herself in a wheelchair, and has already **seen your**  
7       partner two **or three days** earlier with the same  
8       complaints, with the same presentation, would **you**  
9       be concerned about what's going on in that  
10      patient's leg?

11   A.   Sure.

12   Q.   And **would you** probably hospitalize that patient?

13   A.   IF the patient is in a lot of distress and I'm not  
14       sure what the problem is, yes, I would.

15   Q.   And during that hospitalization would you likely  
16       a CBC   an ultrasound?

17   A.   I likely would do a number of tests, but certainly  
18       a CBC and ultrasound **would** be commonly done.

19   Q.   Any others beside those two?

20   A.   You may proceed to do a CAT scan to make sure  
21       there is no retroperitoneal hematoma.

22   Q.   Anything else?

23   A.   If the patient is toxic and you're concerned about  
24       infection, you would certainly do blood cultures.

25   Q.   Anything else?

1       Those are the major things that I can think of.

2       A.       And have you done that in the past, Doctor, in  
3       managing patients where you've been concerned--  
4       about a hematoma to the point of hospitalizing the  
5       patient and doing those tests?

6       A.       I have hospitalized patients that have come back  
7       to the office with hematoma or pseudo aneurysm to  
8       further define the problem, yes, I have,

9       Q       You have done it here at this institution?

10      A       Yes.

11      Q       Do you have privileges at any another hospital  
12      beside Akron City?

13      A.       Yes.

14      Q.       What other hospitals?

15      A.       Akron General.

16                               MR. BECKER:       Off the record.

17   \* \* \*

18       Thereupon, a discussion was had off the record.

19   \* \* \*

20      Q       And back on the record, Doctor.

21               Can we agree that, although you didn't  
22       earlier mention it, as an additional adjunct to  
23       the therapy of a patient you hospitalize when  
24       you're concerned about a potential hematoma would  
25       be reversal of anticoagulation therapy?

1 MR. SPISAK: Excuse me. before  
2 you answer that, I think you just changed the  
3 facta. You said if you're going to hospitalize a  
4 patient when you're concerned about a potential  
5 hematoma. I think his answer was as to what he  
6 would do if the patient had a hematoma that he was  
7 concerned about. And I think those are two  
8 different scenarios or at least two different  
9 premises.

10 MR. BECKER: Okay. I didn't  
11 mean to be tricky.

12 MI?. SPISAK: I didn't know  
13 whether you realized.

14 A. What is your question now?

15 Q. In addition to CBC and ultrasound, if the patient  
16 is on anticoagulation therapy, don't you generally  
17 cut it back or to try to reverse the  
18 anticoagulation thsrapy as well?

19 A. Anticoagulation meaning Caumadin?

20 Q. Yes.

21 A. Yes.

22 Q. And what's the reason or logic behind that?

23 A. Well, if a patient is anticoagulated, and we're  
24 discussing how pseudo aneurysms are treated, we  
25 want the false channel to clot. So if the false

1 channel has blood that is anticoagulated or  
2 thinned, as it would be called in common terms, it  
3 makes it more difficult for the pseudo aneurysm to  
4 clot.

5 Q. Doctor, do you have -- have you had an opportunity  
6 to look at Dr. Feit's deposition?

7 A. I did read Dr. Feit's deposition, yes.

8 Q. And do you take strong issue with some of the  
9 things that he broached at the deposition?

10 A. For instance?

11 Q. Well, let's first talk about Dr. Feit's opinion  
12 that in obese patients who may present with a  
13 hematoma, he hospitalizes them and does a CBC and  
14 ultrasound.

15 A. I didn't specifically recall that, that focus  
16 question.

17 MR. SPISAK: I'm not sure he  
18 said hospitalize. I think his opinion was CBC and  
19 ultrasound. But that's my recollection.

20 Q. Okay.

21 A. I think you're going to be cautious taking care of  
22 diabetics, because you know in general their  
23 diabetic status can be thrown off by various  
24 features.

25 But it doesn't mean you have to hospitalize

1 every diabetic that has a hematoma. I would say  
2 you don't have to do that. If you think it's a  
3 complicated problem, then you may want to do —  
4 that.

5 Q. Dr. Feit was critical of the placement of the  
6 catheterization through the groin site, do you  
7 recall that?

8 A. Yes, I do.

9 Q. Do you agree with that?

10 A. No, I don't agree with that.

11 Q. Do you feel that it's appropriate to place the  
12 catheterization through a reddened area on a  
13 patient's groin that's likely infected with  
14 fungus?

15 A. Meninlio infection or skin fungus infection is a  
16 common problem in the areas of the body that  
17 overlap, skin folds in the groin. And it is not  
18 uncommon to go through an area that has reddened,  
19 reddened area. **And** it does not place the patient  
20 at any major risk **for** additional infection.

21 It's a pure superficial infection of the skin  
22 with a fungus that's commonly seen in diabetes.  
23 If: does not mean that the patient is going to  
24 develop a deep infection. And that's what I would  
25 take issue with him.

1 Q. Does it increase the risk of a deep infection?

2 A No, I don't think it does. I don't think there is  
3 any basis for that statement.

4 Q. Can we agree, Doctor, that the earlier a false  
5 aneurysm or hematoma is addressed and treated, the  
6 less likely it is to become infected?

7 A Again, I have a problem with having the hematoma  
8 or the false aneurysm as a predisposing factor to  
9 infection, and I'm not aware that that is so.

10 Q. You're not aware, Doctor, that if you are a  
11 diabetic and if you have a hematoma, that that  
12 person is at increased risk to develop an  
13 increased hematoma?

14 A. That's correct, provided that there is no catheter  
15 going into the hematoma to act as a tract for the  
16 infection. In other words, if the catheter is  
17 out, there is no way for the infection to enter  
18 into the hematoma area, then I don't think that  
19 that should be a predisposing factor.

20 Q. Do you know whether or not there is bacteria  
21 running through everyone's bloodstream all the  
22 time, are you aware of that?

23 A. There, depends whose bloodstream we're talking  
24 about. But in general, the only time we worry  
25 about infection running through the bloodstream --

1 Q. No, I'm not talking infection. I'm just saying  
2 bacteria, noncolonized, not just infection,  
3 noncolonized bacteria.

4 A. There are not bacteria running through our  
5 bloodstream all the time.

6 Q. Okay. Would you defer that discussion to an  
7 infection disease specialist?

8 A. In cardiology we commonly give antibiotics to  
9 patient3 that are at risk of developing a vascular  
10 infection. And those situations are related to  
11 procedures where infection may enter the  
12 bloodstream, for instance, dental procedures.

13 But normally the bloodstream is sterile. And  
14 when we do blood cultures on patient3 we don't  
15 come up with bacteria in the bloodstream unless  
16 the patient has an infection.

17 I would defer further discussion of this to  
18 an infectious disease expert.

19 Q. Okay. So can we agree, Doctor, that when  
20 Henrietta presented herself with complaints of  
21 groin pain and in a wheelchair, Dr. **Zahra** and  
22 **Bolla** minimally had a responsibility to rule in or  
23 rule out what the, to make a diagnosis of what the  
24 problem was?

25 A Yes.



1 a. Particularly given the time sequence of when she's  
2 presenting in relation to her discharge from the  
3 hospital?

4 A Sure.

5 Q And you feel that those doctors met the standard  
6 of care based on the chart<sup>3</sup> that you have  
7 reviewed?

8 A. Yes.

9 MR. SPISAR: Hell, no, and based  
10 on, you said earlier, everything that you  
11 reviewed, because you reviewed their depositions  
12 and so forth.

13 THE WITNESS: Right.

14 Q. And, Doctor, if Mrs. Spremulli, although it is not  
15 in the chart, it is not in the doctor's  
16 depositions, presented with groin pain that was  
17 causing her difficulty walking to the point that  
18 she had to be in a wheelchair, you've indicated  
19 earlier to me that under those circumstances you  
20 likely would hospitalize that patient to assess

21

22

23

24 A

25 patient's in the wheelchair. She had to be

1 evaluated to find out why she's in the wheelchair,  
2 Q. All right. And the only way you can completely  
3 evaluate a patient that's having difficulty --  
4 walking, in a wheelchair, is through the tests  
5 that you have just outlined to us?

6 A. I believe it was Dr. Bolla who examined her on the  
7 21st, who felt that her problem was related to a  
8 former back disorder, degenerative joint disease,  
9 for which she used a TENS unit. And the gist of  
10 this note is that he felt her problem was probably  
11 orthopedic and not a vascular pseudo  
12 aneurysm/hematoma problem.

13 Q. But retrospectively, Doctor, is it fair to state  
14 that Dr. Bolla missed this hematoma because of  
15 what she presented with two days later?

16 MR. SPISAK: I'm going to object  
17 to that, because we don't evaluate these things  
18 retrospectively.

19 A. As I discussed earlier, I'm not sure that a  
20 hematoma was present on the 21st.

21 When she was in St. Joseph Hospital it was  
22 obvious she had an infected hematoma. Now, when  
23 that developed, I can't tell you, but it doesn't  
24 appear in review of these records that on the 21st  
25 she had evidence of a hematoma or pseudo

1           aneurysm.

2   Q.    I want you to assume it's true, Doctor, that Dr.  
3           Wilder palpated, did an examination of Mrs. —  
4           Spremulli on the 21st of September, and documented  
5           a hematoma with bruising in the right groin. I  
6           want you to assume it's true that Dr. Wilder has  
7           now given sworn testimony that within -- later  
8           that day she was to be seen by Dr. Bolla.

9                 Assuming that to be true, Doctor, and  
10           assuming also the clinical condition that she  
11           presented with on the 23rd, of September, would  
12           you agree with me that it is more likely than not  
13           that Dr. Bolla misdiagnosed this leg condition on  
14           the 21st.

15                         MR. SPISAK:           I'm going to object  
16           to that question, because it calls for a  
17           retrospective view and because of the choice of  
18           the words in the question.

19   Q.    He doesn't like the question.

20                         MR. SPISAK:           You can put that  
21           on, I don't like the question. I think it's a bad  
22           question.

23   Q.    You want the question again?

24   A.    I think I got the question,

25                 The question in my mind is did Dr. Wilder

[? 1 find a hematoma or did he find bruising. What did  
2 you tell me about hi3 --

3 Q. He's given sworn testimony that he diagnosed a  
4 hematoma.

5 A. The reason I raise this issue is because commonly  
6 after catheterization/angioplasty procedure  
7 there's bruising or ecchymosis. It is not common  
8 to have a mass, a bulging, a swelling, a hematoma.

9 Q. Two weeks post discharge?

10 A. So that is why I'm saying I would like to get  
11 further documentation of that from in the  
12 records.

13 Q. I understand that.

14 But I want you to assume it is supported in  
15 the records and by sworn testimony.

16 A. Okay. IF you are telling me --

17 MR. SPISAK: Excuse me. I think  
18 what you ought to do if you're going to, you know,  
19 try to cross-examine on this, this is, I think, is  
20 improper to do in the first place, but I think you  
21 ought to let the doctor see what you are referring  
22 to and see the actual notes, because I think  
23 you're mischaracterizing. He talk3 about  
24 bruising, Mike. And I think that's exactly what  
25 Dr. Eiauman is referring to.

1 Q. I want to quote **the** note from Dr. Wilder. Quote,  
2 on exam there is a hematoma with small amount of  
3 bruising in the right groin. —

4 A. Uh-huh.

5 Q. Assume that to be true. Assume, as we know,  
6 that's the clinical condition she presented with  
7 on the 23rd.

8 Would you agree with me that it is more  
9 likely than not that Dr. **Bolla** on the 21st  
10 misdiagnosed this hematoma?

11 MR. SPISAK: Note my objection.

12 A. If one has a hematoma you should characterize the  
13 size of the hematoma. One, it's one centimeter,  
14 two centimeters, three centimeters.

15 If you tell me there was a significant  
16 hematoma, four, five centimeter hematoma present  
17 on the same day that Dr. Bolla examined the  
18 patient, then it would seem logical that it did  
19 not happen between Time A and Time B, that one of  
20 them missed the diagnosis, based on those  
21 assumption.

22 Q. Okay. Doctor, do you have an opinion and will you  
23 be rendering any opinion to your knowledge as to  
24 whether or not had a hematoma been diagnosed and  
25 treated on the 21st of September, whether the

1 femoral nerve injury and extensive plastic  
2 surgeries would have been avoided?

3 If you have an opinion I want to explore—  
4 that. Wow, this is what a deposition is, it gives  
5 me an opportunity so I am not surprised at trial  
6 what your opinions are.

7 So you, if you don't have an opinion, that's  
8 fine. But I have to explore it if you do have an  
9 opinion.

10 A. No, I don't have a specific opinion. *or another*

11 Q. Okay. Just trying to be thorough. This guy  
12 taught me to be thorough, so I'm just trying to.

13 \* \* \*

14 DR. BAUMAN'S REPORT MARKED PLAINTIFF'S  
15 EXHIBIT 2 FOR IDENTIFICATION.

16 \* \* \*

17 Q. Doctor, just for the record, handing you what's  
18 been marked as Plaintiff's Exhibit 2, is that the  
19 report that you wrote to Mr. Spisak on this case?

20 A. Yes, it is.

21 Q. Is that the only report?

22 A. Yes.

23 Q. Doctor, are you of the belief that the timing of  
24 the catheterization and the act of the  
25 catheterization was for life saving measures, or,

stated another way, Doctor, could, could this  
catheterization and angioplasty have been  
postponed a couple days to treat the groin —  
infection? If you recall from the chart.

A. I would not postpone her particular angioplasty  
procedure for a meningitis groin infection, I would  
not do that.

Q. Do you have any recollection whether or not she  
was symptomatic of any ischemic problems after she  
was treated with, began treatment with, with the  
anticoagulation therapy?

A. She had not had recurrent ischemic changes.  
However, there is a risk of reinfarction if  
something isn't done, so that would be reason I  
would say you should proceed.

Q. But was the risk an immediate one?

A. Yes.

Q. Okay. And what do you base that on?

A. Based upon the severity of the stenosis in the  
left anterior descending artery and her clinical  
presentation.

Q. So if a physician managing her after her heart  
attack treated her with, was it Streptokinase or  
TPA?

A. It was TPA.

1 Q. TPA, and then saw the infected groin site, chose  
2 to postpone the catheterization and angioplasty  
3 for a couple days pending clearing up of that--  
4 infection, would that be substandard care in your  
5 opinion?

6 A I don't think it's a matter of substandard care.  
7 but if you told me she had a reinfarction that  
8 evening because you postponed the catheterization,  
9 then I would say the judgment should have been to  
10 try to do it earlier.

11 Q. What's your definition of -- it's your opinion  
12 that these doctors met the standard of care. What  
13 is your definition of standard of care?

14 A The standard of care is the care given patients by  
15 the majority physicians in a specialty in, a  
16 majority of physicians in a specialty. So most  
17 cardiologists would proceed and do this.

18 Q. Could the angioplasty have been done through the  
19 arm?

20 A. About the only time angioplasty is done through  
21 the arm is when there is a blockage or an  
22 occlusion of the aorta and it's impossible to do  
23 it through the groin.

24 Q. Well, certainly the catheterization could have  
25 been done through the arm?



1 A. That's correct.

2 Q. Is angioplasty through the arm, is it more  
3 technically challenging than through the groin-?

4 A. It's technically more challenging and the  
5 complication rate is higher, related to a number  
6 of factors, including the larger catheters  
7 required to do an angioplasty and the fact that  
8 most physicians don't have a lot of experience  
9 doing angioplasty through the arm. It is not done  
10 as frequently.

11 MR. BECKER: We'll take a short  
12 break, I think we're about done.

13 \* \* \*

14 Thereupon, a short recess was had.

15 Thereupon, the deposition was continued  
16 pursuant to recess.

17 \* \* \*

18 BY MR. BECKER:

19 Q. Doctor, if you were to hospitalize a patient  
20 because of, they had problems with their groin  
21 enough to require them to utilize a wheelchair,  
22 and assuming this patient was overweight or obese,  
23 would you also engage in the practice of measuring  
24 their thighs, comparing one to the other on a  
25 daily basis?

1 A. Not necessarily.

2 Q. Okay.

3 A. It is very difficult to measure somebody's thigh,  
4 especially if they're very large. A half inch, is  
5 that really going to make a difference if you  
6 measure it two days in a row on patient, is it  
7 going to be the same, so it's tough to do that on  
8 an obese patient.

9 Q. Isn't there a risk by not taking any action on a  
10 false aneurysm of the false aneurysm rupturing and  
11 the patient bleeding?

12 A. If a false aneurysm keeps getting larger, that  
13 would be an indication to surgically take care of  
14 the problem, sure.

15 Q. And how do you know if a false aneurysm is getting  
16 larger; through repeat or serial ultrasound?

17 A. Repeat serial ultrasound and repeat physical  
18 exam. You may want to mark the skin area with a  
19 pen to give you an idea whether it's enlarging,  
20 and clinically you can often tell if the patient  
21 is having more discomfort.

22 Q. I think you indicated earlier that even though you  
23 have had this complication of a hematoma and/or a  
24 false aneurysm and you have in fact hospitalized  
25 patients for that, you have never had one to go on

1 to develop an infected hematoma?

2 A. I have never had or never seen an infected  
3 hematoma. We do a lot of catheterizations and a  
4 lot of intervention, and as Director of the cath  
5 lab, I would be aware of other physicians in this  
6 hospital that would have that as a complication.  
7 We have a conference where we review these things,  
8 and I have never seen that combination together.

9 Q. You have not been given a verbal summary of what  
10 Dr. Segarra, the infectious disease expert at  
11 Metro, had to say on the issue of frequency of  
12 encountering infected hematomas, have you?

13 MR. SPISAK: That's Dr.  
14 Blinkhorn, and the answer is no.

15 Q. Dr. Blinkhorn.

16 A. No.

17 MR. BECKER: Thanks, Doctor.

18

19

20

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio, )  
County of Lorain. ) ss:

E, Kathleen A. Hopkins Durrant, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, **WILLIAM B. BAUMAN, M.D.**, was **By me** first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him ~~was~~ reduced by ~~me~~ to stenotype in the presenile of said witness, subsequently transcribed into typewriting under my direction, and that the foregoing ~~is~~ a true and correct transcript of the testimony so given by him a3 aforesaid.

I do further certify that this deposition was taken at the time and place as specified in the foregoing caption, and was completed without adjournment.

I do further certify that I am not a relative, counsel or attorney of either party, or otherwise interested in the outcome of this action.

IN WITNESS WHEREOF, I have hereunto set  
my hand and affixed my seal of office at Elyria,  
Ohio, this 9th day of October, 1995.

Kellen A. Durrant

Kathleen A. Durrant, Notary Public  
My commission expires 1-10-00  
Recorded in Lorain County, Ohio