

Doc. 67

1 State of Ohio,)
 2 County of Cuyahoga.) SS:

- - -

IN THE COURT OF COMMON PLEAS

- - -

6 Marcia Goldsm, et al.,)
 7 Plaintiffs,) Case No. 144221
 8 vs.)
 9 Mark R. L^wim^{ph}, M.D., et al.,)
 10 Defendants.)

- - -

DEPOSITION OF JANET BLANCHARD M.D.

MONDAY, OCTOBER 23, 1989

- - -

15 The deposition of Janet Blanchard, M.D. a witness
 16 herein, called by the Defendants for examination
 17 under the Ohio Rules of Civil Procedure, taken
 18 before me, H. J. Gant^werg, Registered Professional
 19 Reporter and Notary Public in and for the State of
 20 Ohio, by agreement of counsel and without further
 21 notice or other legal formalities, at Lake County
 22 Hospital - East, Painesville, Ohio, commencing at
 23 7:00 p.m., on the day and date above set forth

24

25

1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 Charles M. Delbaum, Esq.
4 Stege, Delbaum & Hickman
Standard Building - Suite 1620
Cleveland, Ohio 44113

5 On behalf of the Defendants:

6 Anthony P. Dapore, Esq.
7 Craig A. Grimes, Esq.
Jacobson, Maynard, Tuschman & Ralur
8 100 Erieview Plaza - 14th Floor
Cleveland, Ohio 44114

9 Also Present:

10 Tom Baker, Videographer
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

JANET BLANCHARD, M.D.

a witness herein, called by the defendants for examination under the Rules, having been first duly sworn, as hereinafter certified, was deposed and said as follows:

DIRECT EXAMINATION

BY MR. DAPORE:

Q. Good evening, Dr. Blanchard. My name is Tony Dapore, and I represent Dr. Levine, and I am going to ask you some questions here for the next few minutes.

First of all, could you state your full name for the jury, please?

A. Janet Blanchard.

Q. And what is your profession?

A. I am a plastic and reconstructive surgeon.

Q. And what is your professional address?

A. 7915 Munson Road, suite number 3, Mentor, Ohio, 44060.

Q. And you indicated that your specialty was plastic and reconstructive surgery; what is that?

A. Plastic and reconstructive surgery encompasses a variety of procedures and techniques. One is cosmetic, and that encompasses, for example, face lifts, blepharoplasties, rhinoplasties.

1 Reconstructive surgery, on the other hand is
2 reconstructing people who have had major trauma, **who**
3 may have had scarring, who don't like the scars that
4 they had and are asking to have them revised. It
5 also encompasses a great deal of hand surgery.

6 Q. Could you run us through your education, and
7 background and training, beginning with your
8 undergraduate education, the year you graduated, and
9 the degree that you received from each institution?

10 A. I graduated from the University of Delaware
11 in **1965**. I then took a few years off and worked as
12 a medical technologist.

13 I then went to graduate school in **1973**, for
14 two years, and then I was accepted into my second
15 year of medical school, and subsequently finished
16 medical school in **1976**. My Master's was in clinical
17 pathology, and I got my medical doctorate degree in
18 **1976**.

19 In July of **1976**, I was an intern at the
20 Cleveland Clinic. I then spent three years in
21 general surgery, followed by two years of plastic
22 surgery and six years of a hand fellowship. I
23 finished my hand fellowship in December of
24 **1981**.

25 Q. During your time at the Cleveland Clinic and

1 your training, did you have occasion to work with
2 Dr. Harry Moon?

3 A. Yes, I did.

4 Q. And how was it that you worked with Dr. Moon?

5 A. He was -- as I was a hand fellow, he was
6 starting his plastic surgery residency at the
7 Cleveland Clinic.

8 Q. What have you been doing since the completion
9 of your formal training?

10 A. I have been working in plastic and
11 reconstructive surgery since May of 1982.

12 Q. Has that been in a private practice?

13 A. The first year and a half was spent at
14 Metropolitan General Hospital, which is also
15 affiliated with University. I had a faculty
16 position and was responsible for teaching residents,
17 as well as doing research.

18 Q. Are you licensed to practice medicine in the
19 State of Ohio?

20 A. Yes, I am.

21 Q. And how long have you been so licensed?

22 A. The exact date, I believe, is 1976. When I
23 came to Ohio, I became licensed.

24 Q. Are you Board certified in plastic and
25 reconstructive surgery?

1 A. Yes, I am.

2 Q. And when were you Board certified?

3 A. December of 1982, I took my written boards,
4 and then in 1983 I became Board certified.

5 Q. Now, you indicated that at one point you were
6 working at Cleveland Metropolitan General Hospital
7 and performing teaching duties with residents.

8 Do you continue to have any teaching
9 responsibilities today?

10 A. Yes, I have. I am on staff at Lutheran
11 Medical Center, and they have residents -- they used
12 to have their own residents, and then they lost the
13 residency program, and about six or eight months
14 ago, we are now having residents come to Lutheran
15 from Fairview, and so I am responsible for teaching
16 them again.

17 Q. Do you spend an excess of 75 percent of your
18 professional time in the active clinical practice of
19 medicine and/or teaching?

20 A. Yes, I do.

21 Q. Do you perform a surgery known as a
22 blepharoplasty?

23 A. Yes, I do.

24 Q. And approximately how many do you perform
25 each year, say for the last five years?

1 A. The number that you do increases every year.
2 This year I probably will do more than I **did** last
3 year, simply because my practice is still growing,
4 as **is** my cosmetic portion of my practice.

5 I would say by the end of this year, I may
6 have done forty.

7 Q. Are you familiar with the standard **of** care
8 **for** performance of the blepharoplasty, postoperative
9 management and care of complications from that
10 surgery?

11 A. Yes, I am.

12 Q. What is a blepharoplasty?

13 A. A blepharoplasty is a procedure that is
14 performed on a patient who either has excess skin
15 and/or excess fat in their upper and/or lower
16 eyelids.

17 Q. How is that procedure performed?

18 A. It is usually done in an operating room
19 setting or a surgical center setting. The patient
20 usually has a local anesthetic, and then they have a
21 sedation that is usually intravenous that just kind
22 **of** makes them relaxed.

23 What you do then is you make -- first of all,
24 before the operation, you mark them. Usually I mark
25 them so that I know how much skin on the upper lid

1 to excise.

2 The procedure is then performed by excising
3 the excess skin and/or fat on the upper lids, it
4 doesn't matter in what order **you** do them, and then
5 the lower lids are done by developing -- either
6 taking the skin, or the skin and muscle, underneath
7 that is the fat, and you remove as much fat as you
8 think is adequate.

9 **You** then perform what we call meticulous
10 hemostasis, or try to find all the bleeders that **you**
11 can, and you sew them up, put on some ointment on
12 the incisions, and I start immediately with cold
13 compresses.

14 Q. And what is the purpose of the cold compress?

15 A. To decrease the swelling.

16 Q. In terms of postoperative swelling, is there
17 any standard as to **how** much to expect from a
18 patient?

19 A. It is difficult -- it is very difficult to
20 tell who is going to get very swollen and who isn't.
21 What I usually try to do after the procedure is show
22 the patient what they are going to look like a few
23 weeks down the line, because after you are finished
24 with the procedure, they usually are not really
25 swollen. It could be an hour to two hours later,

1 they might be really swollen, or four hours later.
2 so I cannot predict who is going to swell more than
3 others.

4 Q. Can there be a variance from -- within the
5 same patient, from one side to the other, as to the
6 degree of swelling?

7 A. Yes, there can.

8 Q. Is that something that occurs with any type
9 of regularity?

10 A. Yes.

11 Q. Now, what are the complications that
12 accompany a blepharoplasty?

13 A. I think the first most frequent complication
14 is bleeding. I have never seen an infection in a
15 blepharoplasty.

16 The immediate complications are bleeding with
17 or without pain, and with or without causing a
18 disturbance in vision. And this is an urgent
19 complication that must be addressed immediately.

20 Q. And why would bleeding with pain and
21 disturbance of vision be important?

22 A. It is not only important, it can be
23 catastrophic.

24 When a blepharoplasty is performed, the
25 patients virtually do not have pain. They are

1 uncomfortable, but they don't have a lot of pain.
2 And I counsel them that if, in fact, they do have
3 pain, then they are to call me immediately.

4 What usually is happening, is if there is
5 pain, it is usually caused by an excessive amount of
6 bleeding, which in turn will cause an insult either
7 to the nerve to the eye, the optic nerve, or the
8 blood vessel. That, in turn, could cause temporary
9 or permanent blindness.

10 **So** you really have to -- that is an urgent
11 thing. You see the patient whenever they call you,
12 and evaluate them.

13 Q. In terms of producing this pain from the
14 blood, what is that mechanism?

15 A. It puts pressure on the nerve. It increases
16 the intraocular pressure, and that certainly is
17 going to give pain. **So** it is bleeding that causes
18 the pain.

19 Q. And the pain is as a result of pressure on
20 the nerve from the bleeding?

21 A, Correct, intraocular pressure.

22 Q. The results of, or the production of that
23 pain, is that something that is immediate, or
24 delayed?

25 A. Usually it is within what I call the

1 immediate postop period.

2 Q. Can you define that, immediate postop period?

3 A. I would believe that if bleeding were going
4 to occur and cause that kind of pain, it probably
5 would happen within the first few hours after
6 surgery.

7 Q. What about the complication of a hematoma,
8 how is that handled?

9 A. Well, it depends. If a patient develops a
10 hematoma, and it is significant, then depending --
11 and this is an individual judgment, as far as I am
12 concerned -- a physician can either elect to let the
13 hematoma eventually resolve on its own, or take them
14 back to the operating room and evacuate it.

15 Sometimes it is difficult to tell whether
16 that is due to swelling, or due to a hematoma.

17 Q. Have you had instances where you have elected
18 not to take patients back to surgery for significant
19 swelling or hematoma postoperatively to evacuate?

20 MR. DELBAUM: Objection.

21 A. I have not taken a patient back to surgery
22 for a hematoma, in my practice of five or six years.

23 MR. DELBAUM: Move to strike.

24 Q. How about the complication of an ectropion?
25 First of all, what is that?

1 A. That is a condition that exists when a
2 patient either has an excessive amount of swelling,
3 and/or it could be caused by an excessive amount of
4 skin being resected from the lower lid, from the
5 lower eyelid.

6 Q. And how is that treated?

7 A. It depends. If it is not significant -- and
8 again, that is determined by the individual
9 physician -- if it is not significant, you can
10 usually wait until the swelling goes down, and if
11 the ectropion resolves, then no further treatment is
12 necessary.

13 If it is because of swelling, usually you can
14 wait through that period and see whether or not it
15 is significant, and then decide at that time.

16 If it is due to an excessive amount of skin,
17 and you wait that amount of time, it will usually
18 never resolve.

19 Q. Do ectropions also result from development of
20 scar tissue in that eyelid that develop
21 postoperatively?

22 A. Yes, they can.

23 Q. And how are those treated?

24 A. Again, it is an individual decision. They
25 can either be corrected by reoperating and excising

1 the scar tissue, or trying a period of massaging and
2 the tincture of time, again, to see whether it will
3 resolve.

4 Q. When a patient is taken to the recovery room
5 after a blepharoplasty is performed, what are the
6 responsibilities of the nursing staff with respect
7 to that patient?

8 A. If it were my patient, I would expect them to
9 call me if there is any problem with pain, excessive
10 amount of swelling, and anything really that bothers
11 them. If they have a little ooze from the incision
12 and they are not comfortable with it, so that their
13 responsibility is to tell me if they think that
14 there is a problem.

15 Q. Are they trained to observe for excessive
16 amounts of swelling'?

17 A. Yes.

18 Q. And you then would expect them, if that were
19 to occur, to report that to you?

20 A. Absolutely.

21 Q. Would that be the same for an excessive
22 amount of drainage or bleeding from the incision?

23 A. Yes.

24 Q. Do they do anything with respect to carrying
25 out orders that may be given?

1 a. Yes.

2 Q. Such as giving pain medications, or things of
3 that nature?

4 A. Yes.

5 Q. And when they do these things, make the
6 observations, or contact you, as a physician, with
7 patient complaints, what do they do, then, with
8 respect to the patient chart?

9 MR. DELBAUM: Objection. W

10 A. They should document it.

11 Q. And how would that be documented?

12 MR. DELBAUM: Objection.

13 A. For example, patient has excessive amount of
14 swelling, doctor here, there is no hematoma, or no
15 significant swelling, or something. It depends
16 on -- but usually they document that you were there,
17 and what has transpired thereafter.

18 Q. If a nurse were making an observation of a
19 patient early on in the postoperative period of no
20 significant swelling, and later in that
21 postoperative period there was significant swelling,
22 what would be the appropriate thing for that nurse
23 to do?

24 MR. DELBAUM: Objection. W

25 A. To call me.

1 Q Would it be appropriate for the nurse then to
2 chart that, as well?

3 MR. DELBAUM: Objection.

4 A Correct

5 Q I know that in this case, Mrs Golden
6 developed some complications after her
7 laryngoplasty performed by Dr Levine. The first
8 one is an infection

9 Do you have an opinion whether it was
10 appropriate for Dr Levine to reoperate on
11 Mrs Golden for this infection one month after her
12 first surgery?

13 First of all, do you have an opinion?

14 A Yes, I do have an opinion

15 MR. DELBAUM: Objection

16 Q And what is your opinion?

17 A. That I think it was appropriate for him to
18 operate

19 MR. DELBAUM: Objection

20 Motion to strike

21 Q And what is the basis for that opinion?

22 MR. DELBAUM: Objection.

23 A. The basis -- I think it is an individual
24 judgment. I am not sure I understand the question

25 Q. Well, what would it be about a patient to

1 make that judgment?

2 A. Okay.

3 Q. Whether it be Mrs Golden specifically, or
4 the things that you would look at, as a physician,
5 to make that judgment?

6 MR. DELBAUM: Objection.

7 A. The first thing that I would -- that would
8 make me do this, would point me in the direction of
9 operating, is if the patient had a significant
10 conjunctivitis, or irritation to the eye, if, in my
11 opinion, I didn't think that it was going to resolve
12 in three months or just because of excessive scar
13 tissue, those are the kinds of things that would
14 lead me to think that.

15 If I knew that I did not excise an excessive
16 amount of skin, then depending on how severe the
17 ectropion was, I would maybe recommend

18 MR. DELBAUM: Move to strike as
19 unresponsive

20 Q. Because Mrs Golden developed an ectropion in
21 this case, does it mean that medical malpractice was
22 involved in her care?

23 MR. DELBAUM: Objection.

24 A. No, I do not think that malpractice was
25 involved.

1 MR. DELBAUM: Move to strike.

2 Q. In this case, the ectropion was caused by
3 scar tissue; is that correct?

4 MR. DELBAUM: Objection. W D

5 A. Yes, I assume that that is correct, in my
6 opinion.

7 MR. DELBAUM: Objection. W D

8 Q. If you wish to refer to Dr. Levine's
9 operative report for the second surgery when he
10 corrected the ectropion, you may do so, but do you
11 recall what it was that he noted as the cause?

12 A. I am sorry, I misunderstood you. I thought
13 you were asking --

14 Q. Sure.

15 A. -- me for my opinion.

16 He noted that there was scar tissue.

17 Q. And that second procedure he performed was
18 what?

19 A. He released adhesions and scar tissue.

20 Q. Do you have to have scar tissue in order to
21 heal in surgical incisions?

22 A. Absolutely. The basis of wound healing is
23 scar formation.

24 Q. It is also thought that Mrs. Golden had a
25 hematoma.

1 Do you have an opinion whether it was
2 appropriate to advise Mrs. Golden to use cold
3 compresses for the first 24 to 48 hours
4 postoperatively to control swelling?

5 MR. DELBAUM: Object. w)

6 Q. (Continuing) First of all, do you have an
7 opinion?

8 A. Yes, I have an opinion.

9 MR. DELBAUM: Objection. w)

10 Q. And what is your opinion?

11 MR. DELBAUM: Object -- w)

12 A. My opinion is that I --

13 MR. DELBAUM: Excuse me, Doctor, I am w)
14 sorry.

15 Objection. I just want to get that on
16 the record.

17 Q. And what is your opinion?

18 A. That I think it was appropriate to use ice
19 for 24 to 48 hours.

20 Q. Further, once there was a diagnosis of
21 hematoma on July the 7th, 1987, would it be
22 appropriate -- do you have an opinion whether it
23 would be appropriate to treat that hematoma by
24 advising the patient to use warm compresses?

25 A. Yes.

1 Q. First of all, do you have an opinion?

2 A. Yes, I have an opinion.

3 Q. And what is your opinion?

4 MR. DELBAUM: Objection. w)

5 A. And I think it was appropriate.

6 Q. Mrs. Golden reports that there was
7 significant swelling in her left lower eyelid and
8 upper cheek area in the immediate postoperative
9 period about the width of a golf ball, and
10 projecting out about the third of the size of a golf
11 ball, and I want you, for purposes of this question,
12 to assume that that, in fact, is correct.

13 Do you have an opinion whether such swelling
14 was handled appropriately by prescribing that the
15 patient use cold compresses --

16 MR. DELBAUM: Object. a#-

17 Q. -- for 24 to 48 hours?

18 MR. DELBAUM: Objection. m²

19 Q. (Continuing) First of all, do you have an
20 opinion?

21 A. Yes.

22 Q. And what is your opinion? or

23 MR. DELBAUM: Objection.

24 A. That I think it was appropriate.

25 Q. And what is the basis for that?

MR. DELBAUM: Objection. *OR*

A. I think mine is based on my judgment of that situation. If a patient has a significant amount of pain, then they need to be re-explored. If they have a significant amount of swelling -- and I have seen a significant amount of swelling after blepharoplasties -- and you treat it with cold compresses, and a tincture of time, then it resolves on its own.

Q. With respect to Mrs. Golden's complaints of nerve problems, do you have an opinion to a reasonable degree of medical probability whether the surgeries performed by Dr. Levine caused any nerve problems that she claims to experience today?

First of all, do you have an opinion?

A. Yes, I have an opinion.

Q. And what **is** that opinion?

A. That I do not think that the nerve problems that she had were the result of the blepharoplasty that was performed.

Q. Do you have an opinion to a reasonable degree of medical probability whether it was related to the revision of the ectropion that was performed one month later?

First of all, do you have an opinion?

1 A. Yes, I have an opinion.

2 Q. And what is your opinion?

3 A. That it is not related to the second surgery,
4 either.

5 Q. And why is it that you feel that those nerve
6 complaints are unrelated to her surgeries?

7 A. When the blepharoplasty was performed, there
8 was **no** dissection down below the infraorbital rim,
9 the swelling may have gone down below the
10 infraorbital rim, and if she were going to have
11 swelling that was going -- that would cause nerve
12 problems, it would almost always be immediate.

13 Q. What would be almost immediate?

14 A. The first symptom that she probably would
15 have had, if it was immediate, is numbness in the
16 distribution of the infraorbital nerve.

17 Q. Were there any complaints of numbness in that
18 distribution immediately postop?

19 A. Not any that I was able to ascertain in the
20 notes that I reviewed.

21 Q. What would you expect in terms of the nerve
22 being severed or cut during the surgical procedure?

23 A. Again, if it had been severed, the symptoms
24 would have -- the onset would have been immediate.

25 Q. What about scar formation causing nerve

1 problems in the infraorbital nerve?

2 A. I really think that it is highly unlikely
3 that that would occur, and if it were going to
4 occur, it probably would have occurred within the
5 first three to four weeks after surgery.

6 Q. And why do you think that it is highly
7 unlikely that it would occur?

8 A. That the scar tissue?

9 Q. Certainly.

10 A. First ~~of~~ all, the dissection did not go below
11 the infraorbital rim; secondly, when there is
12 swelling, that doesn't necessarily -- it sets up an
13 inflammatory reaction, and if it were going to do
14 that, it would have done it immediately, the
15 swelling, and then subsequently the scar tissue
16 would also -- the symptoms probably would have
17 occurred within the first three to four weeks.

18 Q. Have you, yourself, ever heard of or seen a
19 case where there was an injury to a peripheral
20 branch or twig of the infraorbital nerve producing
21 symptoms throughout all three divisions of the
22 trigeminal nerve?

23 MR. DELBAUM: Objection. (W)

24 A. No, I have not.

25 MR. DAPORE: Thank you, Dr. Blanchard.

1 I have nothing further.

2 THE WITNESS: You are welcome.

3 CROSS EXAMINATION

4 BY MR. DELBAUM:

5 Q. Dr. Blanchard, my name is Charles Delbaum.

6 As you may recall, we met a while back.

7 A. Yes.

8 Q. And it is my privilege to ask you some
9 questions now, also.

10 First, I would like to ask you about
11 Dr. Moon, the physician that Mr. Dapore asked you
12 about, also.

13 Can you tell me whether you followed his
14 career since the time that you and he were at the
15 Cleveland Clinic at overlapping times?

16 A. I have followed it from a distance, so
17 therefore I know what he is doing, and where he is
18 now, for example.

19 Q. Do you know where he was in 1987?

20 A. I believe he was at the Cleveland Clinic.

21 Q. And do you know what he was doing there?

22 A. He was doing plastic and reconstructive
23 surgery.

24 Q. He was no longer a resident at that time?

25 A, Correct.

1 He was on the staff of the Cleveland Clinic
2 A Correct.

3 Q Now you have indicated that you are Board
4 certified in plastic and reconstructive surgery

5 A Yes

6 Q You are not an examiner for Board
7 certification for other plastic or reconstructive
8 surgeons, are you?

9 A No, I am not.

10 Q That is considered an honor is it not?

11 A Yes

12 Q You have also indicated on your examination
13 that you have performed more than
14 blepharoplasties as the years go by and as your
15 practice grows.

16 Am I correct in understanding that more and
17 more women in our country are now interested in
18 having blepharoplasties on their eyes?

19 A I think that is correct

20 Q Now, I would like to direct your attention to
21 some of the questions about hematomas and how to
22 handle them.

23 Isn't it true because what if a patient has
24 an excessive amount of swelling from a hematoma
25 while still in the recovery room after a

1 blepharoplasty, then the hematoma should be promptly
2 evacuated?

3 A. Yes, I do.

4 Q. And in general, it is good practice to
5 evacuate localized hematomas which appear
6 postoperatively after a blepharoplasty?

7 A. May I answer that with qualifying my answer?

8 Q. You certainly may.

9 A. I think that if a hematoma, in my judgment,
10 needs to be evacuated, if I have examined the
11 patient, then I would do that.

12 I cannot speak in generalities. I am only
13 speaking from the fact that if in my own judgment, I
14 thought that they should be evacuated, then I would
15 do it. You have to be there to make that judgment.

16 Excuse me.

17 (Thereupon, a discussion was had off
18 the record.)

19 **BY MR. DELBAUM:**

20 Q. Specifically with respect to a localized
21 hematoma which appears postoperatively after a
22 blepharoplasty, would it not be good practice to
23 evacuate that localized hematoma?

24 A. I don't think I understand what you mean by
25 localized.

1 Q. One that is contained within a relatively
2 small area?

3 A. I think that depends on whether or not you
4 think it is a hematoma.

5 Q. If you do think it is a hematoma, then it
6 would be appropriate to evacuate it?

7 A. Possibly, possibly. Swelling can cause
8 bruising, can cause a hematoma, so it is difficult
9 for me to answer precisely yes or no. I **would** have
10 to say it would depend on the situation at hand.

11 Q. Would you agree with me that Goldwyn's work
12 on Unfavorable Result in Plastic Surgery is an
13 authoritative and reliable text?

14 A. **Yes.**

15 Q. According to that text, "localized hematomas
16 noticed in the postoperative period should be
17 evacuated," and that sentence is in a discussion of
18 blepharoplasties.

19 MR. **DAPORE:** Objection. w D

20 Let her see the article that you are
21 referring to so she can read it, please.

22 MR. **DELBAUM:** Certainly.

23 (Thereupon, the document was handed to
24 the witness.)

25 Q. (Continuing) The beginning of the chapter,

1 Doctor, is on the second page of that photocopy, and
2 it is at page 484, I believe, the sentence that I
3 just read to you.

4 A. Are generally --

5 Q. It is on the next page.

6 A. Oh, I am sorry.

7 Q. That is okay.

8 A. I had the wrong page.

9 Q. That is all right.

10 Marked in blue, on the left.

11 A. Correct, and I agree with that.

12 Q. Okay.

13 A. If, in my opinion, I thought it needed to
14 be -- that is what I am saying, I can't speak in
15 generalities. Certainly I think that is true.

16 Q. That statement is general?

17 A. Yes.

18 Q. And the reason for evacuating the hematoma
19 promptly, in your judgment, if that is what is
20 necessary, is because waiting to evacuate it can
21 cause injury to the patient?

22 A. It may cause injury to the patient.

23 Q. For example, once you get a hematoma, it
24 starts to dissect or move through tissues and can
25 set up an inflammatory reaction?

1 A. Correct.

2 Q. Once it does that, it is more difficult to
3 remove it?

4 A. Correct.

5 Q. And the reason that that sort of dissection
6 or moving through tissues can occur is because of
7 gravity or drainage from the operative site; is that
8 correct?

9 A. Correct.

10 Q. Usually that drifting downward from the
11 operative field doesn't occur the same day as the
12 hematoma itself appears?

13 A. Correct.

14 Q. So if the hematoma is evacuated promptly in
15 the recovery room, then the downward drift of blood
16 products would be minimized?

17 A. Correct.

18 Q. Now, the inflammatory reaction to the blood
19 from the hematoma dissecting through the tissues
20 results in scarring in the area; isn't that true?

21 A. It can, yes.

22 Q. And that scarring would also be minimized if
23 the hematoma was evacuated promptly?

24 A. Correct.

25 Q. Is it also considered good practice to keep

1 the patient in the hospital overnight if you are
2 worried about swelling following a blepharoplasty?

3 A. I can't answer that yes or no. I think it is
4 an individual judgment, and I think if someone
5 had -- I think it is individual judgment, and what
6 the symptoms of the patient are.

7 Q. But if you were worried about the swelling --

8 A. Of course I would.

9 Q. -- then the surgeon should keep the patient
10 in the hospital overnight, shouldn't he?

11 A. Yes.

12 Q. I would like to show you a photograph, and I
13 apologize if there is a lot of rumbling on the tape
14 of this deposition.

15 Showing you what has previously been marked
16 as Exhibit 1-B, and I will ask you to assume that
17 that is a photograph of Marcia Golden which was
18 taken in mid-July, 1987, would you agree with me
19 that she appears to still have some swelling under
20 her left eye in that photograph?

21 A. That is correct.

22 Q. And the swelling that she had -- if she had
23 swelling postoperatively -- I am sorry, let me start
24 that question again.

25 The swelling that she had postoperatively,

1 whether it was significant or insignificant, was at
2 least enough so that it extended down to the area of
3 her upper cheekbone?

4 A. Correct.

5 Q. Thank you, Doctor. That is all I have on
6 that photograph.

7 Would you also agree with me that Marcia
8 Colden's ectropion was caused by the postoperative
9 swelling under her eye?

10 A. And scar tissue, yes.

11 Q. Am I correct that postoperative complications
12 from blepharoplasties are rare?

13 A. Relatively, yes.

14 Q. And most people who have blepharoplasties
15 don't get ectropions?

16 A. That is correct.

17 Q. Some of the people who **do** get ectropions get
18 them because a surgeon removed too much skin?

19 A. That is correct.

20 Q. That is not the reason Mrs. Golden got one?

21 A. I can make the assumption that it is not.

22 Q. **So** if complications from blepharoplasties are
23 rare, and ectropions sometimes are caused by
24 something other than postoperative swelling, it
25 would **be** a reasonable assumption that ectropions

1 from postoperative swelling following a
2 blepharoplasty are very rare?

3 A. I don't think very rare. I think they occur
4 with more frequency than blindness, and that is very
5 rare. So I think there are some people who have a
6 transient ectropion secondary to swelling, and it
7 usually resolves itself.

8 Q. Mrs. Golden's ectropion was not transient,
9 was it?

10 A. Correct.

11 Q. So that a non-transient ectropion secondary
12 to postoperative swelling is very rare?

13 A. Correct.

14 Q. Am I correct in understanding that the
15 scarring which Dr. Levine found under Marcia
16 Colden's left eye when he did the repair surgery on
17 July 22nd, 1987 could have resulted from a hematoma?

18 A. And scar tissue, yes, it could have.

19 Q. Now, there was some discussion during your
20 earlier testimony regarding the infraorbital nerve.

21 Am I correct in understanding that some of
22 the fibers of the infraorbital nerve go up from the
23 place where it exits the skull, which is known as
24 the infraorbital foramen, towards the eye?

25 A. It may, yes.

1 Q. When you say it may, do you mean in some
2 people --

3 A. It may not.

4 Q. -- it does, and some people it doesn't.

5 A. Correct there are always anomalies.

6 Q. In most people it does, though?

7 A. They have a few -- yes, but the major
8 distribution is inferior to that.

9 Q. Are you familiar with Frank Netter's work as
10 a physician and illustrator?

11 A. The an -- excuse me.

12 Q. The anatomical drawings?

13 A. Yes.

14 Q. By Dr. Netter?

15 A. Yes.

16 Q. Okay.

17 Have you ever seen any drawings by Dr. Netter
18 that were anatomically incorrect?

19 A. No, but there are always exceptions to every
20 rule, that is all I am saying. I wasn't --

21 Q. There are branches, or small branches, at
22 least, of the infraorbital nerve which are in the
23 area where this surgery was performed, the
24 blepharoplasty; is that correct?

25 Yes, from that standpoint.

1 Q. And referring you again to Exhibit 1-B, the
2 large photograph of Mrs. Golden, is it your opinion
3 to a reasonable degree of medical certainty that
4 Mrs. Golden could have had some swelling in the
5 vicinity of the infraorbital nerve?

6 A. Yes, I think she could here, but I also think
7 she could here, because she has ecchymosis on the
8 other side, as well (indicating).

9 Q. **Would** you agree with me that pressure caused
10
11 cause sensory neuropathy?

12 A. If it is significant enough.

13 Q. If you need to explain your answer, Doctor,
14 you may do that.

15 A. Well, in other words, if you look at the
16 amount of swelling that she has, most of the
17 swelling that she has is above the infraorbital rim.
18 Down here (indicating), she is swollen, but she is
19 also swollen over there (indicating).

20 Q. And is it also true that pressure from scar
21 tissue or blood infiltration on a nerve, if it is
22 significant enough, can cause neuralgia?

23 A. Yes, but if it were going to do that, it
24 would do that in the immediate postop period.

25 Q. Or within several weeks?

1 A. Correct.

2 Q. Thank you. Sorry to have you keep holding
3 it.

4 Am I correct in understanding that you do not
5 like to testify against doctors in the Cleveland
6 area?

7 A. That is correct.

8 Q. And you don't think it **is** a good idea, to do
9 that?

10 A. Yes, yes.

11 Q. So if I came to you and asked you to look at
12 a plastic surgery case where I was investigating
13 whether the surgeon was or was not negligent, you
14 would not consider testifying in that case once you
15 learned that the potential defendant **was** a doctor in
16 the Cleveland area?

17 A. I probably would not.

18 Q. However, you do recognize that in some
19 instances, a physician's bad judgment or inattention
20 can cause him to do something which is below the
21 standard of care for physicians treating similar
22 problems?

23 A. Certainly.

24 MR. DELBXUM: Thank you, Doctor.

25 I don't have any other questions.

1 MR. DAPORE: A couple of questions for
2 you, Dr. Blanchard.

3 REDIRECT EXAMINATION

4 BY MR. DAPORE:

5 Q. If you were asked to review a case against a
6 local physician, and you did review that case and
7 found that the physician had been negligent in his
8 or **her** care of that patient, would you render such
9 an opinion?

10 A. Of course I would. Of course I would, and I
11 have.

12 Q. Physicians in this community --

13 A. Yes.

14 Q. -- or the greater Cleveland area?

15 A. Greater Cleveland area.

16 Q. In the questions regarding blood infiltration
17 and scar formation causing neuralgia type pain, you
18 indicated that it would be immediate to several
19 weeks before -- or within which you would expect the
20 symptoms to occur; is that correct?

21 A. That is correct.

22 Q. And by several weeks, you are referring to
23 what time period?

24 A. Maybe three or four weeks, but I have never
25 seen symptoms related to the nerve in that kind of

1 surgery, and normally -- excuse me -- if they occur,
2 they occur immediately, and then they resolve over a
3 period of time.

4 MR. DELBAUM: Objection.

5 Move to strike.

6 Q. Is there any evidence in this case that
7 Mrs. Golden had scar formation anywhere than except
8 immediately in the eyelid?

9 A. There is no indication.

10 Q. And you indicated that if there was a
11 significant hematoma evidenced in a recovery room,
12 or if it was severe and evidenced in a recovery
13 room, it should be evacuated.

14 Why would you evacuate it in that
15 circumstance?

16 A. Because usually if you are going to see a
17 hematoma in the recovery room, it is going to be an
18 expanding one, you will have drainage from the area.
19 It is just something that if you see that, then it
20 has to be your judgment as to whether or not you are
21 going to do that.

22 Q. And because it may be an expanding hematoma,
23 what is your concern for that patient?

24 A. That they will eventually -- it will increase
25 the intraocular pressure enough to cause damage to

1 the nerve, and visual disturbances.

2 Q. And when you say damage to the nerve, which
3 nerve are you referring to?

4 A. The optic nerve, intra -- the optic nerve.

5 Q. And if that is damaged, what happens to the
6 patient?

7 A. Then there is a potential for blindness.


8 MR. DAPORE: I have nothing further.

9 MR. DELBAUM: Dr. Blanchard, just, I
10 think, two or three more questions.

11 RECROSS EXAMINATION


12 BY MR. DELBAUM:

13 Q. You were not asked by me to review this case:
14 is that correct? I would just like the record to be
15 clear about that.

16 MR. DAPORE: Objection. That is 
17 outside the scope of redirect.

18 Q. (Continuing) I am sorry, let me repeat the
19 question. Mr. Dapore can certainly repeat his
20 objection.

21 You were not asked by me to review this case,
22 were you?

23 MR. DAPORE: Objection, outside the 
24 scope of redirect.

25 A. No, I was not.

1 Q Just one question or series of questions
2 about the scarring location which Mr Dapore asked
3 you about

4 He asked you whether there was any evidence
5 that there was scarring near the infraorbital nerve,
6 and you indicated there wasn't?

7 A. Correct.

8 Q. There is also no direct evidence that there
9 is no scarring near the infraorbital nerve, isn't
10 that correct?

11 MR APORE: objection to the form of
12 the question.

13 A. I am not sure I understand the question

14 Q. I will certainly try to clarify it for you

15 What I am asking you is that is there direct
16 evidence, in my question, what I mean is there is
17 no evidence by reason of surgery having been done in
18 the area so that somebody looked in that area there
19 is no evidence by reason of x-rays, or any other
20 kind of technique, or any injections, or anything
21 along those lines that indicates that there is not
22 scarring from this surgery near the infraorbital
23 nerve?

24 MR. DAPORE: Objection.

25 A Yes, correct

1 Q. But there is, as the photograph shows,
2 evidence of swelling and blood products in the area
3 of the infraorbital nerve?

4 A. As it is on both sides.

5 Q. I understand.

6 But what I said is correct, too?

7 A. Yes.

8 MR. DELBAUM: Fine.

9 Thank you very much, Doctor.

10 MR. DAPORE: You have the right to
11 review the videotape now, while the court
12 reporter and video camera recorder are here,
13 to review it for accuracy. You must do that
14 right now, if you are going to do so.

15 Otherwise, you can waive your right to
16 do so, and having had these gentlemen before,
17 at videotape depositions, you can safely
18 waive your right to do so.

19 THE WITNESS: I shall do that.

20 MR. DAPORE: You also have the right
21 to review the written transcript of these
22 proceedings to insure its accuracy. Again,
23 you may waive your right to do so.

24 Having worked with Miss Gantverg in
25 the past, you can feel safe in waiving your

1 right to review the transcript.

2 THE WITNESS: I shall do that, as
3 well.

4 MR. DAPORE: Chuck, waiver of filing?

5 MR. DELBAUM: Certainly.

6 - - -

7 (DEPOSITION CONCLUDED)

8 (SIGNATURE WAIVED)

9 - - -

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24


25

CERTIFICATE

State of Ohio,)
) SS:
County of Cuyahoga.)

I, Ivy J. Gantverg, Registered Professional Reporter and Notary Public in and for the State of Ohio, duly commissioned and qualified, do hereby certify that the above-named JANET BLANCHARD, M.D., was by me first duly sworn to testify to the truth, the whole truth, and nothing but the truth in the cause aforesaid; that the deposition as above set forth was reduced to writing by me, by means of stenotype, and was later transcribed into typewriting under my direction by computer-aided transcription; that I am not a relative or attorney of either party or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office at Cleveland, Ohio, this 25th day of October, 1989.



Ivy J. Gantverg, Notary Public
in and for the State of Ohio
Registered Professional Reporter.
My commission expires September 13, 1993.

[illegible]

