

State of Ohio,            )  SS:  
County of Cuyahoga. )

- - -

IN THE COURT OF COMMON PLEAS

- - -

JACK ROGERS, et al.,        )  
                                  )  
                  Plaintiffs,    )  
                                  )  
                  v.                )  
                                  )  
UNIVERSITY MEDNET, INC.,    )  
et al.,                        )  
                                  )  
                  Defendants.    )

Case No. 1390671  
Judge Thomas P. Curran

- - -

THE DEPOSITION OF RICHARD J. BLINKHORN, JR., M.D.

TUESDAY, APRIL 10, 2001

- - -

The deposition of RICHARD J. BLINKHORN, JR., M.D.,  
a witness, called for examination by the Plaintiffs,  
under the Ohio Rules of Civil Procedure, taken before  
me, Janet M. Hoffmaster, Registered Professional  
Reporter and Notary Public in and for the State of  
Ohio, pursuant to notice, at MetroHealth Medical  
Center, 2500 MetroHealth Drive, Cleveland, Ohio,  
commencing at 3:15 p.m., the day and date above set  
forth

- - -

Page 2

Page 4

## 1 APPEARANCES:

2 On behalf of the Plaintiffs:

3 KEVIN T. ROBERTS, ESQ.  
 4 MARIANNE BARSOU, ESQ.  
 5 The Roberts Law Firm  
 6 450 Lakeside Place  
 7 323 Lakeside Avenue, West  
 8 Cleveland, OH 44113  
 9 (216) 781-6166

8 On behalf of the Defendants:

9 SUSAN M. WINKER, ESQ.  
 10 Bonezzi, Switzer, Murphy & Polito  
 11 1400 Leader Building  
 12 526 Superior Avenue  
 13 Cleveland, OH 44114  
 14 (216) 875-2767

- - -

1 (Thereupon, Plaintiff's Exhibits 1  
 2 and 2 to the deposition of Dr. Blinkhorn  
 3 were marked for identification.)  
 4 - - -

5 RICHARD J. BLINKHORN, JR., M.D.

6 a witness, called for examination by the Plaintiffs,  
 7 under the Rules, having been first duly sworn, as  
 8 hereinafter certified, deposed and said as follows:

9 CROSS-EXAMINATION

10 BY MR. ROBERTS:

11 Q. Doctor, would you state your full name for the  
 12 record, please?

13 A. Richard John Blinkhorn, Jr.

14 Q. And you are here at Metro Hospital and you've  
 15 been offered as an expert in the case of Jack Rogers  
 16 versus University Mednet; is that correct?

17 A. True.

18 Q. Have you been an expert before in other medical  
 19 malpractice cases?

20 A. Yes.

21 Q. About how many times?

22 A. You talking about reviewing records,  
 23 depositions, trials, which?

24 Q. Start with the biggest number which is reviewing  
 25 records.

Page 3

Page 5

## 1 INDEX

## 3 PAGES

## 4 CROSS-EXAMINATION BY

6 MR. ROBERTS

4

- - -

## 10 PLAINTIFF'S EXHIBITS MARKED

11 1

4

12 2

4

- - -

## 16 OBJECTIONS BY

18 MS. REINKER

28, 29, 30, 31, 34, 52,  
 57, 69(2), 70(2), 72, 74,  
 83, 85, 86, 87(3), 108,  
 115, 116

- - -

1 A. DOZENS. PROBABLY LESS THAN 50 -- MAYBE 50.

2 Well, wait a minute. Maybe more than 50.

3 Q. How did you get involved in those kinds of  
 4 cases?

5 A. This case?

6 Q. No, those kinds of cases, how did you get  
 7 involved in reviewing?

8 A. Basically what happens, an attorney will call  
 9 and ask if I would review a case and then I would get  
 10 the records and usually a cover letter of some sort,  
 11 tells me who is the defendant and the other side. And  
 12 then I would review the records and make a decision  
 13 about whether to take the case or not.

14 Q. Do you ever review cases for plaintiffs'  
 15 lawyers?

16 A. Yes.

17 Q. Do you know the percentage of cases you reviewed  
 18 for plaintiffs' lawyers?

19 A. Probably a quarter.

20 Q. Of those cases have you taken any cases?

21 A. Yes.

22 Q. Do you know how many you've actually given a  
 23 deposition in?

24 A. Three to five probably.

25 Q. Have you ever testified in court for a

Page 6

Page 8

1 plaintiff?  
 2 A. Does videotape count?  
 3 Q. Sure.  
 4 A. Yes.  
 5 Q. Trial depo or trial testimony.  
 6 A. Yes, yes.  
 7 Q. About how many of those?  
 8 A. Couple.  
 9 Q. Two or three? Four?  
 10 A. Two for certain come to mind. Maybe a third.  
 11 It has been a while.  
 12 Q. Within all types of cases of infectious disease  
 13 is there a certain type that you more often become  
 14 involved in as an expert on the standard of care?  
 15 A. No.  
 16 Q. Looking at your CV it looks like you focus  
 17 primarily in the area of tuberculosis --  
 18 A. Thank you.  
 19 Q. -- right.  
 20 A. It is a subspecialty interest.  
 21 Q. Most of your publications seem to be related to  
 22 public health and tuberculosis; is that right?  
 23 A. True.  
 24 Q. Tell me what your typical clinical practice is  
 25 over the last few months.

Page 7

1 A. Over the last few months, attending the general  
 2 medical wards, infectious disease consult service,  
 3 telemetry unit, private practice in infectious  
 4 disease.  
 5 Q. Your cover letter, your report of March 1, 2001  
 6 indicates you are the interim chairperson in the  
 7 department of internal medicine, and how long has that  
 8 been true?  
 9 A. Since August of --  
 10 Q. Of 2000?  
 11 A. -- 2000.  
 12 Q. Are you a candidate for permanent chair?  
 13 A. No.  
 14 Q. By intent?  
 15 A. Choice.  
 16 Q. By choice?  
 17 How much of your time do you *think* you spend  
 18 doing administrative work as department chair?  
 19 A. 30 or 40.  
 20 Q. How much of your time do you spend doing  
 21 research?  
 22 A. Minuscule. Rest is clinical.  
 23 Q. Are you called in on certain types of patients  
 24 typically here in the hospital for infectious disease?  
 25 In other words, do you specialize in your

1 clinical practice in upper respiratory infections,  
 2 chest infections?  
 3 A. That wouldn't be typical of an infectious  
 4 disease practice, no.  
 5 Q. What kinds of cases do you typically see?  
 6 A. Well, let me answer it by telling you that the  
 7 structure here is the infectious disease consultant is  
 8 scheduled for a month and covers the entire hospital,  
 9 all services. So you would be called in to see  
 10 anywhere from 60 to 90 cases a month from all over the  
 11 hospital, all disciplines, except pediatrics. I rarely  
 12 cover pediatrics except when the pediatric ID person is  
 13 out of the country; surgery, medicine, OB, gynecology,  
 14 neurosurgery, et cetera.  
 15 Q. Okay. But it is primarily for patients who have  
 16 been admitted?  
 17 A. That's the inpatient side of this, and then I  
 18 have a private practice in infectious disease where  
 19 people would be referred for more ambulatory type  
 20 problems.  
 21 Q. Where do you see them?  
 22 A. In the clinic. I see my patients down in the  
 23 tuberculosis clinic.  
 24 Q. Are all your private patients tuberculosis type  
 25 patients?

Page 9

1 A. but I see them there.  
 2 Q. Have you ever treated necrotizing fascitis?  
 3 A. Many times.  
 4 Q. About how many?  
 5 A. Dozens.  
 6 Q. Have any of your patients developed that under  
 7 your care?  
 8 A. I don't know what you mean by that.  
 9 Q. If you're seeing them on a regular basis and  
 10 treating an ongoing situation, did it ever develop into  
 11 necrotizing fascitis?  
 12 A. On an outpatient basis?  
 13 Q. Either one.  
 14 A. Never as an outpatient. On the inpatient side  
 15 the disease can present over 24 to 48 hours. If I see  
 16 the patient on the first day and they develop it on the  
 17 second day, is that yes to your question?  
 18 Q. As an inpatient?  
 19 A. M-hm. That's why I'm struggling with how to  
 20 answer your question. It is happening under my care  
 21 but they are here for the problem.  
 22 Q. Already started?  
 23 A. Yes.  
 24 Q. What typically leads to necrotizing fascitis?  
 25 A. Probably to get at what leads to it, it can

Page 10

1 occur in probably one of two major settings; one is you  
2 have an opening in the skin through which an infection  
3 gets into the tissues and the other is you can have  
4 trauma to an area and then have seeding, hematogenous  
5 seeding from another bacteria.

6 Q. When you say another bacteria, why do you say  
7 another

8 A. It may vary depending on whether you have a  
9 break in the skin that leads to it as opposed to  
10 somebody who has, say, blunt trauma and then you have a  
11 bacteremia and it seeds into that area. The organisms  
12 may vary.

13 Q. Do you consider that Jack Rogers in this case  
14 here had any trauma to his knee?

15 A. He has trauma to the skin in the sense that I  
16 use the term to mean injury. Traumatized.

17 Q. He also had an opening in the skin, right?

18 A. Same thing, true.

19 Q. What do you do when you see your patients with  
20 necrotizing fascitis, what do you do?

21 A. I call a surgeon to operate.

22 Q. Do more of the patients that you've seen that  
23 have necrotizing fascitis get it in the lower  
24 extremities?

25 A. Yes.

Page 11

1 Q. Is there a typical patient that you've observed  
2 who gets necrotizing fascitis, an older person,  
3 diabetic, in the lower extremities?

4 A. A diabetic is far and away the most common at  
5 risk person.

6 Q. Why is that?

7 A. Felt to be due to the fact that diabetes affects  
8 the immune system, your ability to fight infection of  
9 whatever sort. If you take diabetics in general, they  
10 are predisposed to many different types of infections.  
11 Soft tissue infections would be the top of the list.

12 Q. Aren't they more susceptible to polymicrobial  
13 infections?

14 A. Depends where it is occurring.

15 Q. In the lower extremities?

16 A. They can have it in the lower extremities, but  
17 they can also have the other standard types of single  
18 organism infection.

19 Q. As opposed to the population as a whole don't  
20 diabetics more often get polymicrobial infection?

21 A. What are we talking about now, urinary tract  
22 infection, pneumonia, when you say --

23 Q. Lower extremities.

24 A. That could be cellulitis, that could be a sore,  
25 that could be an ulcer. I think I know what you're

Page 12

1 talking about, but I'm not quite sure.

2 Q. Okay. I will get back to you on that.

3 A. Okay.

4 Q. I was asking about the typical situation, if  
5 this is a h among patients you've had with  
6 necrotizing fascitis:

7 How would you describe that person?

8 A. It varies.

9 Q. Age wise, typically an older person?

10 A. It's varied.

11 Q. More often diabetic?

12 A.

13 Q. Does it more often begin with an open skin  
14 wound?

15 A. No.

16 Q. Do you recall what organisms Jack Rogers had  
17 when they cultured him on October 26th?

18 A. He had Klebsiella and I believe Group B Strep.

19 Q. Is Klebsiella a fairly common strain of  
20 bacteria?

21 A. Yes.

22 Q. How about Group B Strep?

23 A. Yes.

24 Q. Isn't Group B Strep generally an iatrogenic  
25 organism that is acquired in the hospital?

Page 13

1 A. No.

2 Q. Is there anything really unusual about Group B  
3 Strep or Klebsiella pneumonia?

4 A. Really unusual?

5 Q. Something you bring back from central Africa?

6 A. Exotic, you mean?

7 Q. Exotic.

8 A. Oh, no.

9 Q. Would either of those be considered flesh-eating  
10 bacteria as we know it on the news and hear about it?

11 A. No.

12 Q. When do you think his necrotizing fascitis  
13 began?

14 A. I think it probably began within 24 hours of his  
15 presentation.

16 Q. Presentation on that Monday morning?

17 A. Yes.

18 Q. At the doctor's office.

19 A. Well, when he noticed at home that this drainage  
20 was there, he had it.

21 Q. Have you ever done any personal studies or  
22 research on how long it takes for necrotizing fascitis  
23 in the lower extremity to begin and then present in the  
24 way it did with him?

25 A. No.



Page 14

1 Q. Why do you say 24 hours?

2 A. Because that's what I've seen occur in my  
3 experience.

4 Q. Tell us how it begins in the 24 hours and  
5 manifests itself.

6 A. Well, all right, we need to understand the  
7 anatomy. The anatomy of necrotizing fascitis is the  
8 infection is in the fascial plain.

9 Now, if you look at an extremity, let's just say  
10 in this instance a lower extremity, we are going to be  
11 looking at skin, we are going to be looking at  
12 structures beneath the skin which we would call dermis,  
13 we have subcutaneous tissues and then below the  
14 subcutaneous tissues is muscle. Muscle has over the  
15 of it what we call fascia.

16 Q. Like a sheath?

17 A. It is very much like a sheath and it's very thin  
18 and in the normal setting it's not really a real plane.  
19 It's a potential space.

20 And that fascia is there to allow muscles to  
21 contract and relax without taking skin with it, so that  
22 you have muscles that can sort of slide underneath the  
23 fascia and not be tractioned down by overlying tissue.

24 Now, what happens is you need to understand then  
25 that the fascial planes don't have strict anatomic

Page 15

1 boundaries. They can extend long distances before they  
2 attach and therefore are not limited, and there are  
3 many fascial planes in the body.

4 You can get an infection started in your leg, go  
5 up your leg, go up your abdomen, go up your chest and  
6 to your head. These are planes that are not strictly  
7 limited.

8 Now, if you keep that phenomenon in mind, once  
9 the organism gains access to that space, or organisms,  
10 depending on the mix, there's nothing that limits the  
11 infection from spreading along that line. So one of  
12 the clinical clues to suspecting necrotizing fascitis  
13 which is a surgical emergency is that you see rapid  
14 progression.

15 And surgeons will tell you and I'll tell you  
16 myself, but they will tell you they will see the  
17 infections extend right in front of their eyes while  
18 they are waiting to put the patient on the operating  
19 room table. They can't get there fast enough because  
20 it is moving so fast. That phenomenon at some point,  
21 soft tissue infection reaches that plane. When it  
22 reaches that plane there's nothing that limits it  
23 anymore in terms of extension.

24 So when you say 24 hours, you're even on the  
25 long side because it moves so quickly. I personally

Page 16

1 can tell you I've seen it spread in front of my very  
2 eyes in an amazing fashion.

3 Q. What type of organism is that you saw spread  
4 before your eyes.

5 A. I can tell I've seen A

6 Q. Group A Strep?

7 A. Group A Streptococcus, Clostridium and I've seen  
8 it in mixed infections that come up out of the  
9 perineum.

10 Q. Did you ever see Group B Strep?

11 A. Yes.

12 Q. And how does the necrotizing as it begin  
13 what ingredients do you need before you get it?

14 A. You have to have access to that plane. Access  
15 to that plane can be from the skin. You have an  
16 ulceration, extends down in there, or it can extend up  
17 from say damaged muscle, hematoma, where a bacteria  
18 goes through your bloodstream, lands in the hematoma,  
19 into that off it goes.

20 Q. How about the development of necrosis of the skin?

21 A. That's

22 Q. Does that give you access to the fascia?

23 A. Sure.

24 Q. What else do you need?

25 A. You need bacteria.

Page 17

1 Q. All right. So where does bacteria come from in  
2 Jack Rogers' case, this Group B Strep and Klebsiella?

3 A. First of all, there is bacteria in nature. You  
4 won't find a sterile surface in your home and that's  
5 not a critique of you or your wife, please.

6 Q. I agree.

7 A. Okay. So in your body there are bacteria that  
8 live in your body. Group B Strep is found commonly in  
9 lower GI tracts, occasionally in the upper GI tract,  
10 but not infrequently in the lower GI tract, found in  
11 the urinary tract, can be found in the genitourinary  
12 tracts of women.

13 Klebsiella, Klebsiella can occur in your  
14 intestine, more likely upper than lower, but you can  
15 find it in the lower intestine. And there are  
16 organisms that come across our paths every day from  
17 water that get on your skin, sometimes transiently but  
18 it is there.

19 So in any one case if you ever say where exactly  
20 did you get that organism, that exercise isn't often  
21 done other than to say mixed infections in diabetics  
22 and necrotizing fascitis is not unusual.

23 Q. So you don't know where exactly his Group B  
24 Strep or Klebsiella came from.

25 A. With precision, no.

Page 18

1 Q. Could have come through his skin?  
 2 A. Yes.  
 3 Q. By washing with water that was not sterile.  
 4 A. No, that's not quite good enough.  
 5 We have to cover the difference between  
 6 colonization and infection. Your skin right now, if we  
 7 cultured it, my skin, I'll stop using you as an example  
 8 of bacteria, if I cultured my skin I'll grow something.  
 9 If I culture my nose, my throat, my rear end, I'll grow  
 10 something. That's normal. That's colonization. I'm  
 11 not ill, you're not ill, it is colonization.  
 12 At some point the organism starts invading,  
 13 causing tissue damage, causing infection, quotation  
 14 marks, and once we have infection, now you have a  
 15 process that can then cause invasion, extension into  
 16 other adjacent structures.  
 17 Those structures can go sideways, if you will,  
 18 or they can go deep and that's what we would suggest  
 19 meaning invasion, because the microbes produce  
 20 substances which destroy your skin and your body, the  
 21 so-called flesh-eating bacteria is producing enzymes  
 22 that are actually turning your tissues into soup  
 23 because they are enzymes. So you need that as well.  
 24 Q. What's the most likely scenario for Jack Rogers,  
 25 came through his wound, his third-degree necrosis?

Page 19

1 A. I think that would be the most likely yes  
 2 Q. And obviously the Neosporin didn't prevent that,  
 3 did it?  
 4 A. No.  
 5 Q. Neosporin doesn't really treat an infection,  
 6 does it?  
 7 A. Yes.  
 8 Q. What kinds of infections?  
 9 A. Soft tissue infection, skin infection, ulcers,  
 10 sores, we all know that.  
 11 Q. Neosporin?  
 12 A. Yes. I would be surprised to find somebody  
 13 that's never used it in their life.  
 14 Q. Is it useful against Group B Strep?  
 15 A. Can be.  
 16 Q. Which ways can it not be, how effective can it  
 17 be?  
 18 A. I wouldn't use it to treat a bacteremia, I  
 19 wouldn't use it to treat an abscess. If you have it on  
 20 your skin and you put this on, it can help treat a  
 21 variety of infections.  
 22 Q. What about Klebsiella?  
 23 A. Should be effective.  
 24 Q. Why is it the Neosporin didn't work here?  
 25 A. Because the infection extended beyond the

Page 20

1 ability of the Neosporin to treat it, or the Neosporin  
 2 cleaned everything up and the Klebsiella came in there  
 3 afterwards because there's other bacteria in nature  
 4 that won't be sensitive.  
 5 Q. Have you ever worked on ruptured popliteal  
 6 cysts?  
 7 A. Have I done what?  
 8 Q. Have you orthopedically managed a ruptured  
 9 popliteal cyst?  
 10 A. Yes.  
 11 Q. When did you do that?  
 12 A. Inpatient medicine.  
 13 Q. As an infectious disease expert?  
 14 A. As an internist.  
 15 Q. When in your career was that?  
 16 A. Many times.  
 17 Q. So you still practice as an internist?  
 18 A. Yes, I am an internist.  
 19 Q. And an infectious disease specialist?  
 20 A. Right.  
 21 Q. So you're still doing both.  
 22 A. Like you, you're a lawyer, you're an attorney,  
 23 you do medical mal, but you could do corporate, you  
 24 could do trusts and wills, but you're all lawyers but  
 25 your subspecialty would be med mal, mine is infectious

Page 21

1 disease.  
 2 Q. Okay. Let's say within the last 10 years, about  
 3 how many times do you think you've managed a ruptured  
 4 popliteal cyst?  
 5 A. At least once a year.  
 6 Q. Have you ever managed a popliteal cyst before it  
 7 ruptured as well?  
 8 A. No.  
 9 Q. Have you ever seen one on somebody's knee before  
 10 it ruptured, bulging out?  
 11 A. You know, probably. But I'm trying to conjure  
 12 up the patient. It's not unusual.  
 13 Q. Does there come a point in the rupture of a  
 14 popliteal cyst when you would turn that over to an  
 15 orthopedic specialist?  
 16 A. You know, to be honest, I've never seen one that  
 17 I had to turn over.  
 18 Q. They all resolved?  
 19 A. M-hm.  
 20 Q. Under your care?  
 21 A. Given time.  
 22 Q. And so you've seen about one a year?  
 23 A. Probably, that would be about right.  
 24 Q. Typically in a certain kind of patient, older  
 25 patient, younger patient, somebody who --

Page 22

1 A. I'm only seeing like one a year, so I wouldn't  
 2 try and draw big conclusions from that.

3 MS. REINKER: YOU were  
 4 nodding your head no. I don't think the  
 5 court reporter got that.

6 I think the question was if he ever  
 7 saw a particular age range and I *think* he  
 8 was nodding his head no.

9 MR. ROBERTS: That's fine.

10 BY MR. ROBERTS:

11 Q. Have your patients who have had these had a  
 12 particular cause?

13 A. Not usually. Some have had so-called  
 14 degenerative arthritis, some it just happens.

15 Q. The people for whom it just happens, is it a  
 16 traumatic injury?

17 A. Oh, yes.

18 Q. Excruciatingly painful?

19 A. Yes.

20 Q. When it ruptures?

21 A. Yes.

22 Q. Like screaming out in pain and agony?

23 A. Like getting admitted to the hospital because  
 24 everybody is convinced they have deep venous thrombosis  
 25 of their leg.

Page 23

1 Q. Have you read Jack Rogers' deposition?

2 A. Yes.

3 Q. He describes himself getting up and thinking he  
 4 had a little muscle stiffness in his knee walking out  
 5 to his truck, coming back and continuing to work.

6 Is that consistent with the kind of traumatic  
 7 pain your patients have described?

8 A. M-hm.

9 Q. With a ruptured popliteal cyst.

10 A. Yes.

11 Q. He wasn't screaming out in agony, was he?

12 A. He was very soon.

13 Q. I guess my question is, is it instantaneous  
 14 agony or hours and hours later?

15 A. No, no, no, no. I see what you're getting at, I  
 16 get what you mean.

17 Virtually all the patients recognize an event  
 18 after which pain and calf swelling becomes prominent to  
 19 a point where they can't take it. That's when they  
 20 seek care. Pain is also the reason they seek care,  
 21 because they can't take it. That can evolve over a  
 22 day, it can evolve in a shorter period. This cyst is  
 23 an irritant, the material.

24 Q. Is there anything about the ruptured popliteal  
 25 cyst that was the probable diagnosis here that was

Page 24

1 atypical of a ruptured popliteal cyst?

2 A. It's a little bit atypical in that it was more  
 3 focal is the right word maybe.

4 Q. Focal where?

5 A. In the calf.

6 Q. He is describing pain and tenderness in his  
 7 outer calf.

8 A. His description wasn't always consistent. What  
 9 I took away from the description is there was lateral,  
 10 upper, and there were times when he described  
 11 posterior.

12 Q. I'm looking at Dr. Wellman's notes on October  
 13 12th, the first doctor who saw him for this. He says  
 14 tenderness in the upper, outer calf. Pain is in the  
 15 same area.

16 Upper, outer calf is not the true popliteal  
 17 , is it?

18 A. It is part of it. Forms a boundary.

19 Q. But the typical rupture would be more towards  
 20 the inner part of the knee, right?

21 A. What do you mean by inner?

22 Q. Towards the inside of your knee.

23 A. Into the knee --

24 Q. Looking at your --

25 A. That's the popliteal area.

Page 25

1 Q. The back of your leg over to the left.

2 A. The medial side.

3 Q. M-hm.

4 A. I've heard that.

5 Q. Isn't it more the medial side than the  
 6 anterior side?

7 A. That's what they say, but that's not what I've  
 8 seen.

9 Q. How often do your patients resolve just with  
 10 rest and anti-inflammatories?

11 A. They all have.

12 Q. Have you ever had a patient who had not resolved  
 13 after 12 days of rest, anti-inflammatories and ice,  
 14 heat?

15 A. I don't know.

16 Q. He is describing a situation where the pain is  
 17 continuously increasing, right?

18 A. Correct.

19 Q. And the swelling is continuing and the redness  
 20 is continuing?

21 A. True.

22 Q. That's not consistent with the normal resolution  
 23 of a popliteal cyst that's ruptured, is it?

24 A. Early on it's totally consistent.

25 Q. Comes a point where it is not consistent, right?

Page 26

1 A. Couple weeks in, yes, I would start being  
 2 concerned it was something else.  
 3 Q. And in fact Dr. Posch sent him for an MRI,  
 4 right?  
 5 A. True.  
 6 Q. To see what was going on.  
 7 A. Well, he sent him for an MRI.  
 8 Q. Now, he sent him for an MRI on October 21st and  
 9 he reports the pain as having started October 9th, so  
 10 now by the 21st we are 12 days later.  
 11 A. True.  
 12 Q. All right?  
 13 And would you expect an orthopedic specialist  
 14 who is convinced it is a ruptured popliteal cyst  
 15 nonetheless to send him for an MRI which is a very  
 16 expensive test?  
 17 A. Yes.  
 18 Q. Why?  
 19 A. He is getting towards the long side.  
 20 Q. So --  
 21 A. He is getting towards the extreme of a common  
 22 problem.  
 23 Q. So why would the MRI be useful?  
 24 A. You have to ask him.  
 25 Q. You're not testifying in the area of orthopedics

Page 27

1 here?  
 2 A. I'm testifying that I think the management of  
 3 this man was appropriate. There was nothing that I saw  
 4 that said an MRI was not the thing to do. If he had  
 5 concerns about the diagnosis, if he had concerns about  
 6 other knee pathology, by all means, he needed to get  
 7 that; if he had concerns about whether there was  
 8 hematoma there, by all means, fine.  
 9 Q. Or infection perhaps?  
 10 A. Or infection perhaps.  
 11 Q. An MRI actually showed possibility of infection.  
 12 A. We are not quoting the report, though, are we?  
 13 Q. I can quote the report.  
 14 A. That would be fine. I'll stand by the report.  
 15 Q. I'm handing you the October 23rd MRI report.  
 16 When Dr. Posch sent him for the MRI on October 23rd his  
 17 impression was probable ruptured popliteal cyst,  
 18 correct?  
 19 A. True.  
 20 Q. Now, after the MRI was done the impression is  
 21 three different impressions. The first one includes  
 22 our possibilities, right?  
 23 A. Yes.  
 24 Q. One of which is infection.  
 25 A. Yes.

Page 28

1 Q. And didn't the patient's family and the patient  
 2 report increasing pain on the 23rd when the medications  
 3 increased?  
 4 A. I know he had pain throughout this that was  
 5 worsening.  
 6 Q. Is it your understanding the fact that he  
 7 reported excruciating pain on the 23rd --  
 8 A. We have to look at the notes.  
 9 Q. Let's say that the family did and they wanted  
 10 the doctor to read the MRI immediately, is that your  
 11 understanding of the facts?  
 12 MS. REWKER: Objection.  
 13 A. You know, I don't know that I've seen that, at  
 14 least in the records I have, that that's the fact. I  
 15 mean I'm not going to say you're not telling me the  
 16 truth. I don't know that I've seen that.  
 17 Q. Do you know who Betty Bellamy is?  
 18 A. No.  
 19 Q. She is Jack Rogers' sister.  
 20 A. Okay.  
 21 Q. Have you ever been told what her testimony is?  
 22 A. I know she has some pictures.  
 23 Q. Have you seen those?  
 24 A. I've seen the pictures.  
 25 Q. In color?

Page 29

1 A. They are in color.  
 2 Q. We will get to that later.  
 3 Do you have any understanding what it is that  
 4 to Dr. Posch's office on the 23rd?  
 5 A. No.  
 6 Q. Or what she told the UH radiology department?  
 7 A. No.  
 8 Q. If I told you that she told them that she wants  
 9 to know when the MRI would be read and they told her it  
 10 would be read and you can go see the doctor sometime  
 11 next week, and she said I think my brother is dying,  
 12 and that kind of information is reported to Dr. Posch,  
 13 and he also had the MRI here, that he would have some  
 14 duty to see the patient that day or have him sent to an  
 15 emergency room?  
 16 MS. REINKER: Objection.  
 17 A. That's a big convoluted --  
 18 MS. REINKER: Also a lot of  
 19 facts not in evidence and that won't be in  
 20 evidence.  
 21 MR. ROBERTS: That's an if.  
 22 They will be in evidence.  
 23 A. to seen  
 24 that day, I would expect the patient to call the  
 25 physician and be seen.



Page 30

1 What you're telling me, I'm not going to dispute  
2 that because I haven't seen any of those records to  
3 that effect, but it is too convoluted.

4 If Ms. Bellamy actually says my brother is  
5 dying, I don't believe I would need to tell her to seek  
6 medical care. I would give her credit for saying my  
7 brother is dying, I would take him to the emergency  
8 department for crying out loud, or if she thought  
9 Dr. Posch needed to see him and he is the managing  
10 physician, I would call Dr. Posch.

11 Q. Let's say she did that and asked him what to do  
12 and said we will go to the emergency room or come and  
13 see you, whatever you want us to do, and he told her  
14 I've seen the MRI, doesn't show any changes, come see  
15 me Monday.

16 Would that be appropriate?

17 MS. REINKER: objection.

18 No such facts in evidence.

19 A. I don't know. I don't know. I can't accept the  
20 premise without any of the records to any of that  
21 effect because that's first of all --

22 Q. A hypothetical question.

23 A. Not just hypothetical, you start off by asking  
24 me if this was interpreted as a possibility of  
25 infection, which it isn't. If there are records that

Page 71

1 say that, I'm happy to look at them and say the records  
2 say you should have done X.

3 Q. Well, this impression was reported to Dr. Posch,  
4 correct?

5 MS. REINKER: Objection.

6 A. He is aware of the findings. How it got to him,  
7 I don't know.

8 Q. You've read his deposition?

9 A. No.

10 Q. Any reason why not?

11 A. Because the records have to speak for themselves.

12 Q. Did anybody ask you not to read his deposition?

13 A. No, I chose not to.

14 Q. Why not?

15 A. Because when I review a case the records have to  
16 speak for themselves. The only thing that occurred at  
17 the time that this was going on is what they wrote in  
18 their records. What people say two years later is all  
19 bias.

20 Maybe he has a vivid recollection, that's fine,  
21 maybe the patient has a vivid recollection, maybe all  
22 their family took notes, but the only thing that  
23 usually is written at that time is the record. And if  
24 I can't base my opinion on that record, I don't want to  
25 hear what he thinks.

Page 32

1 Q. Even Dr. Posch.

2 A. No.

3 Q. Who was there.

4 A. He wrote \_\_\_\_\_ was

5 he was thinking and I accept that. And I can defend  
6 this based on what he had at the time that this was all  
7 taking place.

8 Q. If his sworn testimony adds to what you see in  
9 his records you would ignore that sworn testimony?

10 A. I didn't say I would ignore it. I just chose  
11 not to read it to form my own opinion.

12 Q. How does that differ from ignoring it? If you  
13 chose not to read it, that's called ignoring.

14 A. If you ask me a question about his sworn  
15 testimony that I have to comment on about the  
16 legitimacy or the truth or voracity or any of that,  
17 then I have to look at it and comment on it. If you  
18 ask me to base my opinion on this eschar, I don't need  
19 it because the record has to speak for itself.

20 Q. The medical record.

21 A. True.

22 Q. It has been my experience that doctors don't  
23 write down everything that you tell them.

24 A. Okay.

25 Q. Have you ever seen that?

Page 33

1 A.

2 Q. Patients give you a family history and you look  
3 at the page and you see one-fifth of it written down.

4 A. I wouldn't dispute that.

5 Q. We all know doctors don't write down everything  
6 you tell them, right?

7 A. True.

8 Q. If the doctor recalls specifically a description  
9 of a wound on a certain day and testifies under oath  
10 that's how it looked, I'm still at a loss as to why you  
11 would ignore that. It is his sworn testimony.

12 A. I didn't say I would ignore the appearance of a  
13 wound. In this case he documented the appearance of a  
14 wound. We would both agree that two years from now if  
15 I asked you to tell me what tie I was wearing, maybe  
16 you have that kind of memory, good for you, but I bet  
17 you won't, and if you didn't write it down you wouldn't  
18 remember. You might say, well, let's see, I kind of  
19 vaguely remember because we were arguing and you had a  
20 dark tie on.

21 Q. If Dr. Posch described this wound then on  
22 October 21st under oath as weeping and open, would that  
23 affect your testimony in this case, your opinions?

24 A. No.

25 Q. Why not?

Page 34

1 A. Because there's a description in the record and  
 2 there's a description of a burn.  
 3 Q. I take it from your report that you think he had  
 4 no duty to perform any cultures on October 21st?  
 5 A. True.  
 6 Q. That's your opinion.  
 7 A. Yes.  
 8 Q. And does that opinion differ whether he says it  
 9 was a weeping and open wound or not?  
 10 A. Well, it's a burn. All burns are going to weep  
 11 somewhat. Burns by their nature weep because you have  
 12 exposed tissues. That doesn't surprise me.  
 13 Q. So basically it's your opinion he can have a  
 14 third-degree necrosis about the size of a quarter; is  
 15 that right? Is that your understanding how big it was,  
 16 2.0 by 2.5 centimeters?  
 17 A. Yes, and that's fair enough, fine.  
 18 Q. An inch by an inch.  
 19 A. A quarter is fine.  
 20 Q. And surrounded by a 4.0 by 8.0 centimeter area  
 21 of second-degree necrosis.  
 22 MS. REINKER: Objection.  
 23 BY MR. ROBERTS:  
 24 Q. Right?  
 25 MS. REINKER: Not

Page 35

1 second-degree necrosis.  
 2 MR. ROBERTS: second-degree  
 3 what?  
 4 MS. REINKER: I thought you  
 5 were mistaken when you used the word -- I  
 6 thought they talk about a reddened area, I  
 7 think. MR. ROBERTS: That  
 8 was in his deposition.  
 9 MS. REINKER I don't think  
 10 so.  
 11 BY MR. ROBERTS:  
 12 Q. Doctor, let me hand you the October 21, 1998  
 13 notes from Dr. Posch.  
 14 A. Oh, good, okay. I have the same.  
 15 Q. All right. Why don't you read page 39, please,  
 16 of Dr. Posch's deposition. You can read the whole  
 17 thing, but I'll ask you about --  
 18 A. Out loud?  
 19 Q. No, just read it to yourself.  
 20 A. Okay.  
 21 Q. That's his description of what he saw on October  
 22 21st, okay?  
 23 A. In the deposition.  
 24 Q. That's his sworn testimony?  
 25 A. True.

Page 36

1 Q. Does that affect your opinion at all in this  
 2 case?  
 3 A. No.  
 4 Q. Why not?  
 5 A. Because he has a burn.  
 6 O. Okay.  
 7 A. He is not describing infection here.  
 8 Q. . . . refer  
 9 A. Open skin. If we look at a burn that extends  
 10 beyond the first degree which is sunburn, and you have  
 11 exposed underlying soft tissues, they weep, which means  
 12 fluid comes out of them because there is fluid in them.  
 13 That's what causes burn patients to lose so much volume  
 14 and go into shock. They are expected to weep or you  
 15 don't have that degree of burn.  
 16 Sunburn, for instance, we all know sunburn  
 17 doesn't weep, just gets red and painful, first degree.  
 18 You burn your hand on the stove and you end up with a  
 19 little open area. We all know you put a Band-Aid on,  
 20 it's wet, the tissue juices come out. That's weeping,  
 21 that's a burn.  
 22 O. Okay.  
 23 A. That doesn't . . . me.  
 24 Q. A third-degree burn?  
 25 A. Third degree is full tissue, so now you have an

Page 37

1 area that's deeper than that and frequently implies --  
 2 we use the term necrosis, but it is tissue death.  
 3 Q. Okay. And necrotic tissue cannot regenerate  
 4 itself, right?  
 5 A. True.  
 6 O. And necrotic tissue cannot resist infection.  
 7 A. True.  
 8 Q. And now he has a third-degree necrosis down to  
 9 his fascia?  
 10 A. We don't know that.  
 11 Q. What's between --  
 12 A. It can go down into the -- you're talking about  
 13 -- okay.  
 14 We are talking about layers of the skin below  
 15 which is the subcutaneous tissues like fat and then  
 16 there's fascia. So when we talk about burns, we are  
 17 talking about skin.  
 18 Q. Have you ever seen any pictures of his legs?  
 19 A. No.  
 20 Q. He is a pretty skinny guy; does that matter?  
 21 A. We all have fat in our legs. I don't care how  
 22 skinny you are.  
 23 Q. I'm not saying he has zero percent body fat, we  
 24 have somebody who is diabetic, right?  
 25 A. Right.

Page 38

Page 40

1 Q. He has coronary artery bypass surgery.  
 2 A. **True.**  
 3 Q. Doesn't that compromise the circulation  
 4 somewhat?  
 5 A. **To the skin?**  
 6 Q. Doesn't he have coronary artery disease?  
 7 A. **Yes.**  
 8 Q. In the lower extremities, does that indicate  
 9 some less than desirable circulation?  
 10 A. **There is an association, but I don't know that**  
 11 **he has any problem with the circulation in his legs.**  
 12 Q. And he has some trauma to the back of his knee  
 13 if he has this ruptured popliteal cyst on the 21st.  
 14 A. **Could.**  
 15 Q. He has an area of palpable fluctuance?  
 16 A. **On the 21st?**  
 17 Q. That's described there.  
 18 A. **Right.**  
 19 Q. He has third-degree necrosis, full thickness  
 20 through his skin.  
 21 A. **True.**  
 22 Q. That cannot resist infection.  
 23 A. **True.**  
 24 Q. Skin is colonized.  
 25 A. **True.**

Page 39

1 Q. You don't think you need any treatment  
 2 than Neosporin and gauze?  
 3 A. **True.**  
 4 Q. No follow-up care?  
 5 A. **He has no follow-up care.**  
 6 Q. For his wounds?  
 7 A. **Yes, he was being seen regularly.**  
 8 Q. How often was he going to be seen after the  
 9 21st?  
 10 A. **He was going to come back to see him after the**  
 11 **MRI result.**  
 12 Q. Okay. Were there any special precautions given  
 13 him, a diabetic with an open wound like that as to what  
 14 to do or what to look for?  
 15 A. **He is given topical Neosporin to dress the area.**  
 16 Q. Is this what you'd do for a patient?  
 17 A. **If there's no extension or infection I would use**  
 18 **a topical and I would dress it.**  
 19 Q. Have you ever had this situation, somebody has a  
 20 third-degree burn about the size of a quarter?  
 21 A. **About the size of a quarter, I've seen all kinds**  
 22 **of burns. We have a burn center here.**  
 23 Q. I know.  
 24 A. **Okay.**  
 25 Q. What do you do when a patient comes in with a

1 third-degree burn the size of a quarter, do you send  
 2 them home?  
 3 A. **Yes.**  
 4 Q. With Neosporin?  
 5 A. **Yes.**  
 6 Q. Do you debride the wound?  
 7 A. **Depends.**  
 8 Q. Depends on what?  
 9 A. **Age, how it looks, how it is progressing.**  
 10 Q. If it has progressed from the 15th to the 21st,  
 11 six days, from an area of redness to an area that has a  
 12 black area the size of a quarter surrounded by 3.2  
 13 inches of red that's weakening, would you do that?  
 14 A. **Yes.**  
 15 Q. Depending on what?  
 16 A. **Well, let's look at how this is going to heal.**  
 17 **It is going to heal by retraction. That area is going**  
 18 **to go like this (indicating). At some point it either**  
 19 **stops or falls off, and then the skin on the outside**  
 20 **heals in.**  
 21 Now, every burn doesn't get debrided. You might  
 22 dress it, you're going to watch it, follow it, and if  
 23 it doesn't fall off or it doesn't continue to retract  
 24 and get smaller, you may have to debride it off in  
 25 order to facilitate healing. It is not going to be

1 **your first move to start debriding.**  
 2 Q. What's the largest third-degree burn that you  
 3 personally have not debrided?  
 4 A. **Well, I personally don't debride.**  
 5 Q. Or recommend debridement by a surgeon.  
 6 A. **Ask me the question again.**  
 7 Q. You've seen a lot of patients with third-degree  
 8 burns?  
 9 A. **Yes.**  
 10 Q. Do you work in the burn unit here, too?  
 11 A. **Yes.**  
 12 Q. Some people with third-degree burns need to be  
 13 hospitalized, right?  
 14 A. **Oh, yes.**  
 15 Q. At what point do they need to be hospitalized?  
 16 A. **Depends on the host, their age, whether they**  
 17 **have disease, who can take care of them at home and the**  
 18 **extent of the burn.**  
 19 You have 25 percent of your body burned, you  
 20 probably need to be in because you are going to need to  
 21 be grafted.  
 22 Q. 25 percent of your body surface?  
 23 A. **Yes.**  
 24 Q. If you stick your whole forearm in a barbecue  
 25 grill?



Page 42

1 A. I haven't seen that one.  
 2 Q. My sister did it.  
 3 A. She stuck it in the grill?  
 4 Q. We tell.  
 5 A. She would need to be in because she has hand  
 6 involvement.  
 7 Q. You don't think Jack Rogers should have been  
 8 admitted?  
 9 A. When he came in, yes.  
 10 Q. On the 21st.  
 11 A. No.  
 12 Q. Shouldn't have been debrided?  
 13 A. No.  
 14 Q. Why not?  
 15 A. There's no evidence that he needed to be  
 16 debrided.  
 17 Q. How much bigger would his hole have to be,  
 18 third-degree hole?  
 19 A. He has a little hole -- it is not a hole, it is  
 20 an eschar, a little round, hard, blackened area that's  
 21 pared. Just like you would imagine when they say it's  
 22 hard, that's exactly what it is, and it does this  
 23 (indicating), it retracts, and at some point it stops  
 24 retracting and either it comes off because the skin  
 25 grows in and it just dries up and falls off, or it sits

Page 43

1 there and it doesn't heal and then they take it off,  
 2 but that's the smallest amount they have to take off,  
 3 because the less they take off the less area to  
 4 re-epithelialize, and it is only the third degree  
 5 that's likely to need the graft.  
 6 Q. You said it depends in part on the age of the  
 7 patient.  
 8 A. M-hm.  
 9 Q. Jack Rogers was in his late 50s.  
 10 A. Younger every day.  
 11 Q. Also depends on his health.  
 12 A. Yes.  
 13 Q. The fact he has diabetes, is that something you  
 14 would consider of whether you should admit or debride a  
 15 patient, is that one of the factors you consider?  
 16 A. Yes.  
 17 Q. And all other things being cool, are you more  
 18 likely to debride somebody who is diabetic than  
 19 somebody who is not?  
 20 A. No.  
 21 Q. Are they less likely to heal?  
 22 A. Yes.  
 23 Q. To re-epithelialize?  
 24 A. Yes.  
 25 Q. Would the fact that he had coronary artery

Page 44

1 disease be a factor you would take into consideration  
 2 in determining whether to debride this wound?  
 3 A. No.  
 4 Q. Why not?  
 5 A. It is irrelevant.  
 6 Q. Why?  
 7 A. It has no bearing on healing, debridement,  
 8 infection, antibiotics, et cetera.  
 9 Q. I think you said it also depended on what kind  
 10 of home care they are getting, whether you would admit  
 11 them or not.  
 12 A. M-hm.  
 13 Q. Do you know what home care instructions he was  
 14 given here?  
 15 A. Not really.  
 16 Q. What do you instruct our patients to do for  
 17 third-degree burns if they go home?  
 18 A. First of all, I would send them to the burn unit  
 19 so they would take care of them, that would be my  
 20 instructions.  
 21 Q. So you don't fully manage third-degree burns as  
 22 a general rule?  
 23 A. No.  
 24 Alone you mean by fully manage?  
 25 Q. M-hm.

Page 45

1 A. No, I would not.  
 2 Q. Would you expect an orthopedic specialist to  
 3 fully manage a third-degree burn?  
 4 A. It would be okay.  
 5 Q. Why?  
 6 A. Because he is trained --  
 7 Q. In the treatment of burn injuries?  
 8 A. Yes. They have to do general surgery before  
 9 they are accepted into orthopedics.  
 10 Q. What kinds of surgeons are in the burn unit?  
 11 A. General surgeons.  
 12 Q. Is that who you turn your third-degree patients  
 13 over to?  
 14 A. Yes.  
 15 Q. As a general rule you in your practice don't  
 16 give home care instructions for patients with  
 17 third-degree burns, you turn them over to the burn  
 18 unit.  
 19 A. Right.  
 20 Q. And you don't know what home care instructions  
 21 were given here?  
 22 A. I am I sure could find out, but do I have it in  
 23 the back of my head, no, other than --  
 24 Q. Do you have any notes or anything?  
 25 A. I know he was given Neosporin.

Page 46

1 Q. Go ahead, look at whatever you want and see --  
 2 A. Continue topical care -- talking about the burn  
 3 now?  
 4 Q. Yes, on the 21st.  
 5 A. Do you want me to read from it?  
 6 Q. You don't have to.  
 7 A. Okay.  
 8 Q. Just tell me what you learned after you read  
 9 through it. Read your notes, whatever you want to  
 10 read.  
 11 A. It says -- I'll tell you what he says, continue  
 12 the topical care to the burn, redressed with Neosporin  
 13 and gauze, elevate the leg, rest, and then he has  
 14 Lorecet. So that's what he is telling him to do for  
 15 the burn care.  
 16 Q. By the way, is it your understanding that the  
 17 pain medications were continuously increased up until  
 18 the 26th?  
 19 A. Yes.  
 20 Q. By the end of the day on the 23rd he was taking  
 21 Lorecet every three to four hours, right?  
 22 A. That's my understanding.  
 23 Q. I can show you the chart.  
 24 A. That's okay.  
 25 Q. Would you describe Lorecet as a pretty serious

Page 47

1 pain medication?  
 2 A. It's a pain medication.  
 3 Q. So is aspirin. Is it stronger than aspirin?  
 4 A. Yes.  
 5 Q. Is it stronger than Codeine?  
 6 A. Maybe a little.  
 7 Q. Was it equivalent to Vicodin?  
 8 A. That's not unreasonable.  
 9 Q. And is three to four hours as necessary a  
 10 typical prescription for Lorecet or is that for a  
 11 patient that is very painful, in a lot of pain?  
 12 A. You know, everybody experiences pain  
 13 differently. That dose is acceptable, somebody  
 14 perceives they have severe pain, that dose is okay.  
 15 Q. You say okay, what do you mean?  
 16 A. I could put the same problem in your leg and  
 17 your perception of pain will be different than your  
 18 partner's, and that's just the way pain is.  
 19 If somebody has pain, you adjust their  
 20 medication to deal with their pain and some take more  
 21 pain medications than others and the deal is you give  
 22 them doses up to where you're giving them overdoses,  
 23 and this would not be considered overdose.  
 24 Q. Do you think that Dr. Posch should have included  
 25 in his differential diagnosis on the 21st the

Page 48

1 possibility that what's going on in Jack Rogers' leg  
 2 was infection?  
 3 A. I think he did.  
 4 Q. You think he did on the 21st?  
 5 A. Let me be sure it was the 21st.  
 6 Yes, because he stuck a needle in there.  
 7 Q. Let's look at his chart on the 21st.  
 8 Do you see the word infection there anywhere?  
 9 A. What does that have to do with my opinion?  
 10 Q. I thought you were relying just on the words and  
 11 the record.  
 12 A. I do, his actions.  
 13 Q. Is the word infection --  
 14 A. His actions tell you he was concerned about  
 15 infection.  
 16 Q. Is that the only reason to aspirate his leg?  
 17 A. Yes.  
 18 Q. And what did he find?  
 19 A. Blood.  
 20 Q. He wasn't trying to remove extra fluid?  
 21 A. You can ask him that one. When I see a person  
 22 describe fluctuance, what he described, swelling, he is  
 23 looking at this, he is saying this man has pain,  
 24 there's swelling, there's fluctuance, he preps it and  
 25 sticks a needle in there.

Page 49

1 Now, you could say what is he doing that for.  
 2 He is doing that because he is thinking to himself this  
 3 is getting to be on the long side of this problem,  
 4 could there be infection there, so he stuck a needle in  
 5 there and he did not get infection. He didn't get pus.  
 6 He got blood.  
 7 Then maybe he starts thinking, you know, there's  
 8 blood in here, maybe there's more pathology in the knee  
 9 than I recognize, I'm going to do an MRI to define the  
 10 anatomy because Mr. Rogers is not turning around after  
 11 12 days. His actions tell you he was concerned about  
 12 infection.  
 13 Q. All right. And on the 23rd there's an MRI  
 14 report which includes the possibility that this area of  
 15 abnormal single intensity could be from infection.  
 16 A. True.  
 17 Q. Don't you think he should have taken any further  
 18 action --  
 19 A. But he did, he already had, he already stuck a  
 20 needle in it. He did not say, geez, I think that's  
 21 infection, go get an MRI and I'll check you in three  
 22 days. He said I'm putting a needle in that area right  
 23 now, and he did, and he got blood.  
 24 And then he goes down the list, I don't find  
 25 infection here, why is this man on the long side of

Page 50

1 this process.

2 Q. Okay. Well, would you agree that his impression

3 contains his differential diagnosis?

4 A. Not necessarily.

5 Q. Is there somewhere else here that contains his

6 differential diagnosis?

7 A. He may have not reported it at all.

8 Q. Isn't it typical for a doctor to Write down a

9 differential conclusion so that down the road they can

10 recreate what they are thinking a week or two down the

11 road? You see dozens and dozens of patients at an HMO.

12 A. Not always.

13 Q. Is that good medical practice not to record your

14 differential diagnosis?

15 A. It's an accepted medical practice.

16 Q. What do you do?

17 A. It varies.

18 Q. I would assume you write down your differential

19 diagnosis, all the things you are thinking this could

20 be

21 A. No.

22 Q. No?

23 as you go your career your

24 ability to generate a differential diagnosis gets

25 longer and longer and longer and longer. You don't

Page 51

1 write all those things down. You document what you

2 see, what you're doing, what you need to do next and,

3 you know what, as you gain more experience you know

4 what you were thinking when you look back at that.

5 And I look back at that and I see a practice

6 that says to me I know why he is doing that.

7 Q. Even though you don't see it written in the

8 records?

9 A. I'm in this world, you're not. You say that

10 doesn't mean anything to me, he didn't write down he is

11 thinking infection. We are not sticking needles in the

12 back of people's calves unless we are thinking

13 infection. I know what he is doing, I've been there, I

14 understand it.

15 Q. Have you done that for ruptured popliteal cysts?

16 A. No, I have never seen this happen.

17 Q. Okay. Go ahead, I'm sorry, I didn't mean to cut

18 you off.

19 A. I forgot what I was going to say.

20 Q. Fair enough.

21 A. Sorry.

22 Q. So you're coming up With some thoughts by

23 Dr. Posch that aren't recorded here and you haven't

24 read his deposition, but --

25 A. I didn't say thoughts. I said his actions.

Page 52

1 Q. You say you know what he's thinking.

2 A. His actions speak for what he was thinking. You

3 don't have to accept that and I understand that.

4 Q. So it is your opinion that his actions indicate

5 he thought there was infection or he considered it?

6 MS. REINKER: Objection.

7 A. He considered it.

8 Q. As of October 21st.

9 A. Yes.

10 Q. You are saying he ruled it out because of his

11 aspiration and because he only got blood?

12 A. True.

13 Q. Why does that rule out infection?

14 A. Because it was blood.

15 Q. Tell me medically why that means there's no

16 infection.

17 A. All right. I'll tell you, blood is an extremely

18 good medium for bacteria to grow. Bacteria loves

19 blood. If you go to a microbiology lab and you ask

20 them, show me your most common culture media, we call

21 it auger, it is called blood auger, has blood in it,

22 and that's what bacteria grow on.

23 You put blood in your body anywhere and you put

24 bacteria in it, it can grow. And bacteria multiples

25 quickly. Depending on the organism they can double in

Page 53

1 20 minutes. So they can grow very quickly if you have

2 blood there.

3 Blood that gets infected turns into pus very

4 quickly. And the description of infected blood is very

5 different than the description of hematoma or blood in

6 the tissue, it is obvious. It was obvious to

7 Mr. Rogers.

8 Q. Mr. Rogers?

9 A. Yes. When he came in that day it was obvious he

10 knew something bad was wrong with his leg.

11 MS. REINKER: You mean on the

12 26th?

13 BY MR. ROBERTS:

14 O. Which day?

15 A. When he came in on the 26th with the drainage

16 that was brown.

17 Q. Like a volcano in his leg.

18 A. That's the point. You put bacteria in there,

19 they are not going to sit in there for a week and just

20 sort of smolder along. They are going to say this is

21 great, this is a great place for us to live. And they

22 multiply fast and then they --

23 Q. So on the 21st Dr. Posch aspirates and he finds

24 blood.

25 A. Okay.

Page 54

1 Q. Right?

2 A. True.

3 Q. Does that mean this area of palpable fluctuance

4 is blood as opposed to pus?

5 A. I would be worried that it is blood. It is

6 blood. He didn't get pus out of there.

7 Q. Why do you say you would be worried if it was

8 blood?

9 A. Because I wouldn't go sticking around in there

10 much more. I would say he has blood back there and

11 that explains why he has some of these symptoms that

12 are nagging along. Why does he have blood back there,

13 is there other pathology in the knee other than the

14 cyst. I understand that.

15 Q. Okay. But I was asking you why the aspiration

16 ruled out infection, because he found blood instead of

17 pus?

18 A. True.

19 Q. Blood has not become infected.

20 A. True.

21 Q. It could be quickly because you say blood --

22 A. It could happen.

23 Q. What other pathology do you think he was

24 thinking about then?

25 A. I don't know.

Page 55

1 Q. What would you be thinking about?

2 A. I wasn't there.

3 Q. I know, but you've been telling me what you

4 think he was doing based on your experience.

5 A. I think it was not unusual for him to get the

6 MRI at that point because he is seeing, first of all,

7 he has a ruptured cyst; secondly, he sticks the needle

8 in the area and there's blood there; third, he has this

9 burn overlying the top of it. It's a mess.

10 Q. Where is the blood from?

11 A. That's the question. Does this cyst lead to all

12 this inflammatory milieu and he bleeds and that's why

13 he is having all this pain on top of this cyst. And in

14 addition he has this burn that's, I'm sure, not

15 comfortable. And he is trying to sort out what's going

16 on here.

17 He samples this to see if there's infection

18 there, he needs to know it, and then he takes the next

19 step of saying, okay, we will image this to see if

20 there's other pathology.

21 Is there a big hematoma there, is there the cyst

22 there, is there something else there that I'm not even

23 thinking about, does he have other knee pathology. I

24 understand that.

25 Do I know exactly every possible thing in his

Page 56

1 mind, no, but I don't need to. I understand the

2 process.

3 Q. Okay. Let me ask you a dumb question.

4 A. I'm sure it isn't.

5 Q. If somebody has a ruptured popliteal cyst, what

6 comes out, synovial fluid?

7 A. Yes.

8 Q. It is clear?

9 A. Yes.

10 Q. Like water on the knee?

11 A. Sort of, yes, it's pretty clear.

12 Q. If the palpable is from synovial

13 fluid wouldn't you expect him to aspirate clear fluid?

14 A. Sure.

15 Q. But he has blood instead.

16 A. Yes.

17 Q. So there's some pathology going on in his knee,

18 the blood is coming from somewhere?

19 A. Yes, fine.

20 Q. Did you ever consider that this was an infection

21 all along?

22 A. Oh, yeah.

23 Q. Going back to October 8th or 9th?

24 A. I wouldn't have considered that, no.

25 Q. Betty drew some pictures which have little black

Page 57

1 spots in the middle of the wound going all the way back

2 to even the 13th.

3 Any explanation for what she was seeing?

4 A. If I had to guess why she has drawn a black

5 spot, I'm thinking that's the necrosis, eschar.

6 Q. All the way back to the first use of the heating

7 pad?

8 A. You know, I'll be honest with you, I've sort of

9 like seen this almost like (indicating), the dates I

10 haven't focused on, is that what you're asking me?

11 Q. You can look at them, go ahead.

12 A. That's good. This is the 13th, right?

13 Q. Yes, this is the first one.

14 A. Okay.

15 Q. Just for the record, I handed you drawings by

16 Betty Bellamy dated 10-13 through 10-26, each of these

17 has a little black spot and my question was, do you

18 know what would explain the spots that she says she saw

19 all the way from the 13th through the very end?

20 MS. REINKER: Objection.

21 A. No.

22 Q. No?

23 You are saying before you thought it might be an

24 eschar?

25 A. Possibly.



Page 58

1 Q. You don't think that anymore?  
 2 A. You have to tell me when she drew these. These  
 3 are dated 10-13-98.  
 4 Did she actually draw them on that date?  
 5 Q. She drew them a few months ago.  
 6 A. I would say the best explanation, if you ask my  
 7 opinion --  
 8 Q. M-hm.  
 9 A. -- is her recollection is fuzzy. What I think  
 10 she is trying to represent by that is an eschar, but  
 11 when you start dating things and she is drawing these  
 12 day by day by day, she may have a very good memory and  
 13 good for her. I'm not -- but these are drawn late,  
 14 this is where I start getting into the problem of what  
 15 happens when we try to go back two years and recreate  
 16 events.  
 17 Is it perfectly accurate, the only perfectly  
 18 accurate -- I shouldn't use that term. The only record  
 19 at the time is what was written down at that time. And  
 20 her recollection may be very vivid about an area of  
 21 eschar, but it may not be timed as nicely as we like to  
 22 see by saying this picture is 10-13-98, because she  
 23 drew it two years later. But I think --  
 24 Q. And the eschar is formed after what?  
 25 A. Well, eschar, if you burn skin to the point

Page 59

1 where it dies, it turns black and it's hard like  
 2 leather. That would be a good -- if you put a piece of  
 3 leather on your skin and felt it, that is what it feels  
 4 like. It's hard and it is black because it has no --  
 5 it's dead. And that would be what I think she is  
 6 trying to create there, I think, if you're asking me to  
 7 guess.  
 8 Q. Let me ask you the big picture question.  
 9 You think what happened here, he suffered a burn  
 10 from the heating pad?  
 11 A. Yes.  
 12 Q. It doesn't all come from an underlying  
 13 infection.  
 14 A. Absolutely not.  
 15 Q. Why not?  
 16 A. It is too indolent.  
 17 Q. Too what?  
 18 A. Indolent, it is too slow. If it came from  
 19 inside out, it would have happened just like it  
 20 happened that day when he came in. He wakes up and  
 21 boom, just blows open. That's what I would expect.  
 22 Q. So you think this happened in 24 hours on Monday  
 23 morning.  
 24 A. And I think that's on the long side.  
 25 Q. In your opinion is there any reason to put him

Page 60

1 in the emergency room on Friday?  
 2 A. I don't have an opinion on that.  
 3 Q. How about Saturday?  
 4 A. I have no records to go by about whether he  
 5 needed to be there or not. I clearly believe he needed  
 6 to be in on the 26th. There's no question about that.  
 7 Q. We all agree with that.  
 8 A. Okay.  
 9 Q. Let's go back to what you believe Dr. Posch was  
 10 thinking on the 21st and why he ordered the MRI.  
 11 When he got the MRI results and they gave him  
 12 this mix set of impressions, what do you think he  
 13 should have done then?  
 14 MS. REINKER: Talking about  
 15 on Monday when he got the results?  
 16 MR. ROBERTS: You're assuming  
 17 -- that's not in evidence, a disputed  
 18 fact.  
 19 A. Let's say it's a disputed piece of evidence. He  
 20 sees this man on the 21st. He was already thinking in  
 21 his mind ruptured cyst. He has an area of fluctuance.  
 22 Q. Full of blood.  
 23 A. When he puts a needle in and then he pulls out  
 24 blood.  
 25 MS. REINKER: Couple of

Page 61

1 drops. I'm sorry. You're misrepresenting  
 2 this as being full of blood.  
 3 BY MR. ROBERTS:  
 4 Q. Are you saying you think it's -- I asked before  
 5 if you thought the palpable fluctuance was from blood.  
 6 A. Yes.  
 7 Q. I think you said yes.  
 8 A. Yes.  
 9 Q. When I say full of blood am I misinterpreting?  
 10 Obviously some other tissue.  
 11 A. Okay, full of blood, blood there, whatever. I  
 12 forgot what I was supposed to say.  
 13 Q. You were talking about his mental state at the  
 14 time, what he was thinking.  
 15 A. Fine. So he looks at this and says there's  
 16 blood there, I think there's a cyst, now there's blood  
 17 there. I send him for an MRI, the MRI report comes  
 18 back with a differential diagnosis, this, this, this,  
 19 this.  
 20 He looks at it and goes, well, I aspirated the  
 21 thing and it wasn't pus. The description of this could  
 22 be hematoma and cyst. Fine. This hasn't shown me  
 23 anything new. There's no other pathology in the knee,  
 24 all right, fine. He had some bleeding back there, he  
 25 doesn't have anything on this image that he didn't

Page 62

Page 64

1 already know.

2 Q. It also has infection, right?

3 A. He aspirated it on the 21st. He got blood and  
4 then from there he said now I need to go to MRI. He  
5 clearly didn't go to MRI on Monday when he look at  
6 this and he sees brown pus. He didn't say go for  
7 another MRI.

8 Q. He had the MRI results before he saw him on  
9 Monday; would you agree with that?

10 A. Okay, yes.

11 Q. Regardless when he got them, just for the moment  
12 when Dr. Posch saw the word infection on the MRI,  
13 couldn't he have thought -- shouldn't he have thought  
14 between the 21st and 23rd that blood could now become  
15 infected?

16 A. No.

17 Q. He does have an open wound, third-degree  
18 necrosis, right? It is not medically possible --

19 A. Go ahead.

20 Q. I'm asking too many questions at once.

21 MS. REINKER: what is the  
22 pending question?

23 BY MR. ROBERTS:

24 Q. Here is the question, between the 21st and 23rd  
25 could it be medically impossible for that blood at the

Page 63

1 back of his knee to become infected?

2 A. Impossible, no.

3 Q. In fact, he has diabetes, he has a third-degree  
4 necrosis right there, right?

5 A. True.

6 Q. That could be a source of infection of that  
7 blood between the 21st and 23rd, right?

8 A. True.

9 Q. And how is it that someone seeing the MRI on the  
10 23rd who knows the whole clinical picture like  
11 Dr. Posch does could just rule out that that blood had  
12 become infected between the 21st and 23rd? Could they?

13 A. Yes. It could have not changed, the sizes could  
14 be small.

15 Q. With no other information --

16 A. You didn't tell me no other information. You  
17 said could he do it --

18 Q. Could he rule it out?

19 A. Yes. He could look at it and say it is small  
20 just like when I put my hands on it on the 21st.

21 Q. He would have to see the patient to do it.

22 A. They are not describing this thing in his calf  
23 as big as a watermelon, they're describing this little  
24 thing there. He thinks, I felt that area, I stuck a  
25 needle in, it's blood and here is this MRI report that

1 doesn't tell me anything I don't know.

2 Q. This MRI is two days later.

3 A. Yes.

4 Q. Could have been infected?

5 A. Yes.

6 Q. How could he exclude the possibility of  
7 infection when it says infection and two days have  
8 passed between the time he has seen him and the morning  
9 of the 23rd?

10 A. As soon as it gets infected, it grows, gets big.

11 He doesn't find something on the MRI that says this  
12 thing is now huge.

13 Q. He can't compare what he saw on the 21st to  
14 verbal words coming off an MRI report.

15 A. I don't know.

16 Q. Can he?

17 A. That's possible. He is sitting there looking at  
18 this thing. He knows in his mind just like you would  
19 know if you had your hands on it, you have a vision of  
20 the size of this and he gets this report. When I look  
21 at this report I thought this doesn't say a thing. The  
22 fascial planes aren't involved. There's some edema.

23 Big deal.

24 This is not the MRI of a necrotizing fascitis,  
25 period.

Page 65

1 Q. What is it? I didn't say it was, okay?

2 What is it about the October 23rd MRI that  
3 indicates to you the size of the area of palpable  
4 fluctuance?

5 A. It's my sense of what they are saying.

6 Q. You want to go by the records, what do the  
7 records say? What records there tell you what size  
8 this is?

9 A. Because they don't make a big deal out of the  
10 size, they don't say --

11 Q. Why should they?

12 A. I see what you're doing.

13 I'm not going to be able to answer this to your  
14 satisfaction. I can tell you what this says to me,  
15 this is a small, little thing. They didn't even  
16 measure it.

17 Q. Let me ask you this --

18 MS. REINKER: They didn't  
19 even what?

20 THE WITNESS: Measure it.

21 BY MR. ROBERTS:

22 Q. When it says, quote, abnormal fluid collect  
23 that is 1 s well as focal in the subcutaneous  
24 and deep issues of the e compartment of t  
25 knee, close 1 is tl the p1 ss

Page 66

1 Q. Impression.  
 2 A. That's down here. They describe it up here.  
 3 Q. Let's look at the impression, number 1, I just  
 4 read the sentence?  
 5 A. True, but then under here is where they actually  
 6 give their description. Lobular, subcutaneous.  
 7 And listen, like I said, I can't answer to your  
 8 satisfaction because I don't have a measurement, but  
 9 they don't have a measurement because it is a teeny,  
 10 little thing that they are not even that impressed  
 11 with. They didn't even make a call.  
 12 If I showed you this --  
 13 Q. What do you mean they didn't even make a call?  
 14 A. They didn't.  
 15 Q. Who didn't call who?  
 16 A. I'm sorry, they didn't make a diagnosis, a call,  
 17 you're out, you're safe, a call.  
 18 Q. All right.  
 19 A. Sorry.  
 20 They were so underwhelmed they didn't even make  
 21 a diagnosis on this. They just said this could be  
 22 infection, cyst, blood or trauma. Did they leave  
 23 something out? They should have said this could also  
 24 be cancer and then they would have covered all the  
 25 bases.

Page 67

1 Q. You're speculating here, aren't you?  
 2 A. No. I'm trying to tell you when I look at that,  
 3 that's what I see.  
 4 Q. Just throw it in the garbage, don't worry about  
 5 it.  
 6 MS. REINKER: whoa, whoa,  
 7 whoa, whoa.  
 8 BY MR. ROBERTS:  
 9 Q. Little thing, doesn't matter.  
 10 MS. REINKER: You're all  
 11 speaking at the same time. Can we have a  
 12 question and then an answer?  
 13 THE WITNESS: sorry.  
 14 MS. REINKER: Do you have a  
 15 question?  
 16 MR. ROBERTS: I'm waiting for  
 17 the end of the answer.  
 18 A. You asked me how do I get this notion of size,  
 19 that's how this all started.  
 20 This is based on what I see in reports. When  
 21 you're looking at pathology, that is significant. What  
 22 I see here is a very sort of hazy insignificant report.  
 23 Q. He has an abnormal signal in the subcutaneous  
 24 and deep fat in the posterior compartment of the knee,  
 25 says infection, could be infected?

Page 68

1 A. They listed four things it could be.  
 2 Q. That's one of the four; is it not?  
 3 A. And they didn't pick one.  
 4 Yes, but they didn't pick one.  
 5 Q. Would you expect them to pick one?  
 6 A. Yes.  
 7 Q. Why?  
 8 A. Because if there was real pathology they would  
 9 say this is most consistent with X. They couldn't even  
 10 say out of four things which was most likely.  
 11 Q. So are you saying Dr. Posch is saying in his  
 12 opinion this is just an insignificant MRI finding,  
 13 just a tiny, little thing?  
 14 A. I don't know what his opinion on that is.  
 15 Q. You don't know what he was thinking when he read  
 16 this?  
 17 A. No.  
 18 Q. But you know what he was thinking on the 21st.  
 19 A. I know what I'm thinking. You're asking my  
 20 opinions.  
 21 Q. Well, are you saying he is free to ignore this?  
 22 A. He didn't ignore this.  
 23 Q. Why do you say that?  
 24 A. Because the patient came in to see him in  
 25 follow-up.

Page 69

1 Q. Okay. If he saw this on the afternoon of the  
 2 23rd -- or it was reported to him, you understand he  
 3 could call in and get a radiology report over the  
 4 phone?  
 5 MS. REINKER: Objection.  
 6 A. For Mednet?  
 7 Q. M-hm.  
 8 A. You're telling me that's what their practice is,  
 9 fine, I accept that.  
 10 MS. REINKER: objection.  
 11 If you don't know the practices in  
 12 effect at the time at University Hospitals  
 13 and Mednet, you can't testify.  
 14 If he doesn't know he doesn't know.  
 15 A. I did answer the question. I said I don't know  
 16 the practice of Mednet. If you're telling me something  
 17 that's accurate, I say okay.  
 18 Q. Let's say Dr. Posch had this reported to him,  
 19 this MRI report on the 23rd.  
 20 A. Okay.  
 21 MS. REINKER: Hypothetical  
 22 question?  
 23 This is a hypothetical question, so  
 24 it's clear.  
 25 MR. ROBERTS: At this point



Page 70

1 it's a hypothetical.  
 2 BY MR. ROBERTS:  
 3 Q. If he has this MRI report and he is told that  
 4 the patient is in serious pain, wants more pain  
 5 medication, that the patient's family wants to know if  
 6 he should go to the emergency room or be admitted and  
 7 he reads this, **if** he puts that whole clinical picture  
 8 together and he knows that he has blood back on the  
 9 21st, he is diabetic and has a third-degree necrosis,  
 10 don't you think he should have been seen that day?  
 11 MS. REINKER. Objection.  
 12 A. **I don't know.**  
 13 Q. Well, what factors would enter into your  
 14 decision --  
 15 MS. REINKER: I'm objecting  
 16 to all the misrepresentation of facts  
 17 which will not be in evidence.  
 18 MR. ROBERTS: YOU can object  
 19 to the whole deposition.  
 20 Q. Do you remember my question now?  
 21 MR. ROBERTS: Read it back  
 22 because now we have been interfered with.  
 23 Read it back, the whole thing.  
 24 THE NOTARY: Question:  
 25 "If he has this MRI report and he is told

Page 71

1 that the patient is in serious pain, wants  
 2 more pain medication, that the patient's  
 3 family wants to know if he should go to  
 4 the emergency room or be admitted and he  
 5 reads this, if he puts that whole clinical  
 6 picture together and he knows that he has  
 7 blood back on the 21st, he is diabetic and  
 8 has a third-degree necrosis, don't you  
 9 think he should have been seen that day?"  
 10 MR. ROBERTS: I am giving you  
 11 a standing objection for every single word  
 12 out of my mouth.  
 13 MS. REINKER: Kevin, I would  
 14 ask that you not continue to misrepresent  
 15 things. That's not appropriate.  
 16 MR. ROBERTS: YOU heard her  
 17 testimony, that --  
 18 MS. REINKER: I heard her  
 19 testify she had no conversation with  
 20 Dr. Posch and doesn't know what he was  
 21 told.  
 22 MR. ROBERTS: His office.  
 23 MS. REINKER That's a big  
 24 difference.  
 25 MR. ROBERTS: I see. Called

Page 72

1 agency.  
 2 BY MR. ROBERTS:  
 3 Q. I think your answer was you don't know.  
 4 MS. REINKER: Objection.  
 5 A. **But then you asked another question.**  
 6 Q. Which is what factors would cause you to want to  
 7 see him that day or have him admitted?  
 8 A. **Okay. Let's say the family calls in, he is**  
 9 **having drainage from the area that sounds like pus;**  
 10 **let's say the family calls in and says he has a fever;**  
 11 **let's say the family calls in and says, you know, this**  
 12 **area that was already whatever size they perceived this**  
 13 **to be has actually gotten bigger and now extended;**  
 14 **let's say they call in and say, you know, he's getting**  
 15 **dizzy when he sits or stands up, feels sweaty, those**  
 16 **are things that I would say that's a change in his**  
 17 **condition.**  
 18 If you say it is pain, just pain, if it's pain,  
 19 you can manage his pain as he has been doing by trying  
 20 to adjust his pain medication to deal with his pain, so  
 21 yes, all those things are factored in, but there are  
 22 other factors that would say something has changed.  
 23 That's what I'm not hearing in this exchange.  
 24 Q. At this point, and I say this point, as of the  
 25 time of this MRI, after the MRI report, Dr. Posch still

Page 73

1 doesn't exactly know what's going on, does he?  
 2 A. **I don't know.**  
 3 Q. But I *think* as you've discussed before it isn't  
 4 absolutely clear that he has a ruptured popliteal cyst  
 5 I *am* pl i s everything going on.  
 6 A. **I think he has a ruptured popliteal cyst.**  
 7 Q. Doesn't explain everything that's going on,  
 8 right?  
 9 A. **He has blood there. That may be related.**  
 10 Q. He has blood.  
 11 A. **He has a burn.**  
 12 Q. He has blood, a burn, he has increasing pain, it  
 13 is not resolving o i l y after 12 days.  
 14 A. **Okay.**  
 15 Q. Right?  
 16 A. **Fine. And the MRI doesn't give us any more**  
 17 **information.**  
 18 Q. Right, and the pain is increasing, so you can't  
 19 necessarily attribute the pain increase to any one  
 20 particular thing, can you?  
 21 A. **Inadequate pain management, it could be.**  
 22 Q. What do you mean by that?  
 23 A. **He has never adequately managed him, never**  
 24 **actually given him the right amount of drug to manage**  
 25 **the pain with this patient, this popliteal cyst, this**

Page 74

1 **blood.**  
 2 Q. He should get a larger dose or stronger  
 3 medication?  
 4 A. **That should be on consideration, yes.**  
 5 Q. Also on consideration that something more  
 6 serious is developing.  
 7 A. **It's possible.**  
 8 Q. If the pain medication managed him on Wednesday  
 9 and Thursday, but now it is not on Friday --  
 10 A. **I'm not of the impression that at any time in**  
 11 **this course that there wasn't pain ongoing. I may have**  
 12 **misread, but I didn't see any description that ever**  
 13 **said, you know what, I was pain free today.**  
 14 Q. I will agree with that, but by Friday he is  
 15 complaining that the pain is much more, right?  
 16 MS. REINKER: Objection.  
 17 A. **Okay.**  
 18 Q. We will look at the chart, all right? Pain is  
 19 increasing.  
 20 MS. REINKER: After MRI.  
 21 BY MR. ROBERTS:  
 22 Q. On the 23rd, a telephone contact records on the  
 23 23rd his pain is increasing.  
 24 A. **True.**  
 25 Q. Wants more pain medication?

Page 75

1 A. **Right.**  
 2 Q. So --  
 3 A. **He ups the dose.**  
 4 Q. I understand.  
 5 Now, can't you infer from that that things are  
 6 getting worse by Friday?  
 7 A. **It is not.**  
 8 Q. The same dose is no longer working on Friday.  
 9 Why would he wait until Friday if it hurts like  
 10 hell?  
 11 A. We have to ask Mr. Rogers that. What I'm trying  
 12 to -- what I tried to say, when somebody called in and  
 13 said there's something different, it is not just pain,  
 14 it's all the other things I would expect to be  
 15 happening with infection that's not being communicated.  
 16 And not only not being communicated, but in Mr. Rogers'  
 17 deposition, which I did read to see his perspective,  
 18 there was nothing that I saw, that could be me, that  
 19 suggested he was infected until that morning when this  
 20 thing blew open and there was brown, nasty stuff. It  
 21 was all about pain management, is my opinion. That's  
 22 just how I looked at it.  
 23 Q. You're not saying that all the pain and swelling  
 24 and stiffness and increasing pain and failure to  
 25 resolve could not have been caused by an infection or

Page 76

1 infectious process?  
 2 A. **Not this whole time, except this --**  
 3 Q. Let's say the last week.  
 4 A. **I don't accept it.**  
 5 Q. You are saying no infection.  
 6 A. **Not at all. I refuse to accept it.**  
 7 Q. You refuse to?  
 8 A. **Yes, it doesn't make any sense.**  
 9 Q. That pain, increasing pain, increasing  
 10 stiffness, continuous redness and everything was  
 11 getting worse cannot be explained by infection?  
 12 A. **No.**  
 13 Q. Medically cannot?  
 14 A. **No. It is all about a ruptured popliteal cyst.**  
 15 **You are just describing the ruptured popliteal cyst**  
 16 **syndrome. It mimics other pathology. He put a needle**  
 17 **in it in his office and he got blood and nothing is**  
 18 **changed other than pain. And he has stiffness in his**  
 19 **knee which has been there since this started with his**  
 20 **limited range of motion.**  
 21 **And then something changes, it's obvious to**  
 22 **everyone. He didn't have any problem at all saying**  
 23 **look how this changed. He brought the cup in. In**  
 24 **fact, he had in his deposition almost like that little**  
 25 **game because he knew it was different and not that he**

Page 77

1 **was playing a game, but it was clear to him.**  
 2 Q. All right. His pain changed, didn't it, over  
 3 the last week?  
 4 A. **He had pain the whole time. Was it getting**  
 5 **worse, I don't necessarily disagree with him that his**  
 6 **perception was his pain is getting worse. I accept**  
 7 **that. I don't have any problem with that.**  
 8 Q. The swelling is getting worse, isn't it?  
 9 A. **That's hard for me to say based on what's in**  
 10 **those records.**  
 11 Q. Stiffness is getting worse?  
 12 A. **Stiffness is about the same as best I can tell.**  
 13 Q. His ability to walk is getting worse?  
 14 A. **He is having trouble walking all along.**  
 15 Q. Now he can't even walk to the car or the  
 16 bathroom?  
 17 A. **On the 26th?**  
 18 Q. No. On the 21st, he came in a wheelchair.  
 19 A. **Okay, his knee is stiff.**  
 20 Q. On the 15th he could walk in. He was given  
 21 crutches.  
 22 A. **Okay. He wasn't on crutches if he was walking**  
 23 **in. I think probably there's pathology there that's**  
 24 **starting to say there's range of motion problems here,**  
 25 **he has a ruptured popliteal cyst, he is having pain, he**

Page 78

1 comes in in a wheelchair because his range of motion is  
2 45 to 90.

3 I hear what you're saying, but you're arguing  
4 pain equals infection. I can't accept that.

5 Q. I'm not just saying just pain, there's the whole  
6 picture.

7 A. Where is the fever?

8 Q. His p s g. e w as, his pain is worse?

A.

10 He never did get a fever, did he, isn't it? he  
11 had necrotizing fascitis? He didn't present with a  
12 fever even on the 26th?

13 A. You know what, I forgot to look at that in the  
14 record.

15 MR. ROBERTS: Pull out the  
16 admission note.

17 A. He actually does have fever in the hospital, it  
18 is on the 26th. His temp was 37.5 which is fever.

19 Q. Translates to what in Fahrenheit?

20 A. 38 is 100.3, so he is in the 99s.

21 Q. All right. What is 46.9, is that a fever?

22 A. No.

23 Q. I have the Lake Hospital medical surgical sheets  
24 for 10-26-97 at 1330 hours, says 36.9.

25 A. Okay. I have that one. That's one reading.

Page 79

1 That doesn't count. You can't just say one reading,  
2 that's it for the day.

3 That evening he is up to 37 and a half?

4 A. That's fever. You said he didn't have fever the  
5 whole hospitalization

6 Q. I didn't say that.

7 A. You said he didn't have it the whole time he had  
8 necrotizing fascitis.

9 Q. On presentation on the 26th in the morning.

10 A. You don't just get one temperature reading and  
11 say someone had no fever, I'm sorry, and every other  
12 reading after that that day was elevated.

13 Q. Okay. Let's go back to the last week before he  
14 got necrotizing fascitis. You're not saying any of the  
15 symptoms he had then are -- let me ask it this way,  
16 pain could be associated with infection, correct?

17 A. True.

18 Q. Swelling, too?

19 A. True.

20 Q. Stiffness, too?

21 A. No.

22 Q. If your leg swells up enough it gets pretty  
23 stiff, right, inability to flex your leg?

24 A. No, no, no, no, no, no, no, no.

25 Q. Doesn't happen?

Page 80

1 A. Well, stiffness where? Are we talking about a  
2 joint, are you talking about the ankle, what do you  
3 mean stiffness?

4 Q. Inability to flex your leg.

5 A. He had his leg flexed.

6 Q. I'm asking a theoretical question.

7 A. Okay, about what?

8 Q. Is that the kind of stiffness --

9 A. What infection is that supposed to be a sign of?

10 You said these are signs of infection, you said  
11 stiffness, I said no, I don't accept that.

12 Q. The day he was diagnosed with necrotizing  
13 fascitis the doctor tried to bend his leg, did you read  
14 that testimony?

15 A. True.

16 Q. And he was in excruciating pain.

17 A. True.

18 Q. When he tried to bend his leg.

19 A. Right.

20 Q. Why would that be?

21 A. Any number of reasons, popliteal cyst, hematoma  
22 in the tissues, fluid in the knee.

23 Q. Also infection?

24 A. He is holding his knee flexed, that's the worst  
25 position to be in if he has infection in the joint.

Page 81

1 Q. Why?

2 A. You're going to be challenged with this, a  
3 joint --

4 Q. You can draw if you want.

5 A. No, I'm not drawing.

6 A joint has fluid in it, it's a

7 three-dimensional structure. Think of a sphere. There  
8 are bones inside of it and those bones have cushions  
9 and there's fluid in there. When you bend a joint the  
10 pressure inside the joint skyrockets.

11 The joint will always be held in the neutral  
12 position because the neutral position allows the least  
13 amount of pressure which is most painful.

14 You're telling me somebody has their leg bent,  
15 it has nothing to do with joint pathology, other than  
16 the fact he has this syndrome of a ruptured popliteal  
17 cyst, and that is all part of it.

18 So I say fine, that's not surprising to me that  
19 he is having that trouble from the ruptured popliteal  
20 cyst. Is that a sign of infection, I can't accept  
21 that.

22 Q. On the 26th?

23 A. On any day that you tell me he has his leg  
24 flexed, a sign of infection.

25 Q. You're saying he tried to bend his leg,

Page 82

1 Dr. Posch tried to bend Jack Rogers' leg on the 26th  
2 and the fact that he is in excruciating pain and almost  
3 jumped out of his wheelchair cannot be attributed to  
4 infection?  
5 A. **Anything is possible.**  
6 Q. I'm not asking you what's possible.  
7 A. **All right. Here is why I don't accept this, 17**  
8 **days ago this happened, his leg has been flexed that**  
9 **whole time. He has contractures now.**  
10 Q. Why do you say it has been flexed for 17 days?  
11 A. The description of his knee shows a range of  
12 motion that was limited. He couldn't extend his leg  
13 from the beginning when the records described this.  
14 You contract, and then you start trying to do this  
15 after all that time, and it is going to be painful.  
16 Docs he have infection on the 26th, yes, he  
17 reeks of infection.  
18 Q. Massive infection from halfway up the thigh  
19 down --  
20 A. **That is necrotizing fascitis, just whammo.**  
21 Q. And that wouldn't make it painful when you try  
22 to flex that leg?  
23 A. **Yes, there would be pain, and he has had pain**  
24 **like this the whole time, but it is not just pain that**  
25 **day, is it? It bursts forth with fluid, he swells up.**

Page 83

1 Q. One thing at a time.  
2 A. **Fine.**  
3 MS. REWKER: Just slow down  
4 a bit.  
5 BY MR. ROBERTS:  
6 Q. His pain is increasing the whole last week  
7 before he is diagnosed with necrotizing fascitis?  
8 MS. REINKER: objection,  
9 that's been answered repeatedly.  
10 Is that a question, is his pain  
11 increasing?  
12 MR. ROBERTS: M-hm.  
13 MS REINKER: t has an  
14 answered t dl  
15 Q. So is it your opinion, doctor, there's no sign  
16 of infection until he had drainage on Monday the 26th?  
17 A. Yes.  
18 Q. he you say no t, you mean no sign reported  
19 t Dr. Posch, or no sign as it must have i at the  
20 time?  
21 A. I couldn't find anything in the records or in  
22 Mr. Rogers' deposition that I could say look right  
23 there, infection, until the 26th.  
24 Q. All right. Let me ask you this, from the 21st,  
25 if Dr. Posch had decided to debride him, okay, he is a

Page 84

1 surgeon, he could decide to do that, right?  
2 A. **True.**  
3 Q. He wouldn't have to refer him to somebody else?  
4 A. **True.**  
5 Q. He could do it right there in his office?  
6 A. **Yes.**  
7 MS. REINKER: In his office?  
8 THE WITNESS: Yes, yes.  
9 BY MR. ROBERTS:  
10 Q. Let say he decided to do that, would that  
11 malpractice on the 21st based on what he saw and what  
12 s know from the record?  
13 A. **No.**  
14 Q. Why not, why would that be appropriate?  
15 A. **Because he looked at it and said it needs to be**  
16 **debrided.**  
17 Q. If he had done a culture that day d t t bc  
18 malpractice?  
19 A. **No.**  
20 Q. Why not?  
21 A. **I can't think of an instance where doing a**  
22 **culture is malpractice.**  
23 Q. Okay. If he had admitted him for debridement  
24 and a deep wound culture and prescribed general  
25 antibiotics, would that have been malpractice?

Page 85

1 MS. REINKER: objection. I  
2 object to this whole line of questions.  
3 A. **He didn't need antibiotics.**  
4 Q. I'm saying if he did.  
5 A. **If the man developed anaphylaxis and died, I**  
6 **could be sitting here trying to defend it.**  
7 You asked me a wide-open question. I'm giving  
8 you an example. Then I would say see, that's what you  
9 get, you gave him antibiotics he didn't need. He had a  
10 1 in 24,000 chance he drops dead from anaphylaxis and  
11 now --  
12 Q. Doctor, you're not saying when somebody is  
13 anaphylactic it is automatically malpractice, are you?  
14 I'm t are.  
15 Q. Did you ever see that hold up, t s i --  
16 A. **I have seen things hold up that are just about**  
17 **the same.**  
18 Q. All right. Well, t has been a patient for over  
19 35 ears, you d t some chance of ving i  
20 an antibiotic he is allergic to and gets anaphylactic  
21 shock?  
22 A. --  
23 Q. All t That's a it of d ti here  
24 isn't it?  
25 A. **You did ask me, though.**

Page 86

1 Q. I'm not saying could something have happened,  
2 I'm saying would it have been malpractice to put him in  
3 the hospital, debride the wound, do deep tissue  
4 cultures and give him a general antibiotic?

5 MS. REINKER: Objection.  
6 Do you mean the legal definition of  
7 malpractice, is that what you're asking?

8 BY MR. ROBERTS:

9 Q. Yes, would that have been below the standard of  
10 care for Dr. Posch?

11 MS. REINKER: I don't know  
12 that he knows the legal definition of  
13 malpractice.

14 A. You have to deal with the outcome after action,  
15 not just an isolated action.

16 Q. What do you mean?

17 A. I can imagine an outcome where that would be  
18 malpractice, I have given you an antibiotic you didn't  
19 need. I didn't tell you, by the way, that your chance  
20 of dying from penicillin anaphylaxis is 1 in 24,000,  
21 whatever, and I can't make a case you had infection, so  
22 why did I give you that antibiotic.

23 Q. I want you to look at two drawings by Betty  
24 Bellamy, one on October 15th and the other October  
25 21st, okay?

Page 87

1 Assuming those are accurate, okay, does the  
2 change in his condition of that wound from the 15th to  
3 the 21st in any way indicate infection?

4 A. I don't know from these two.

5 Q. I'm saying assuming --

6 A. Assuming what?

7 Q. -- they are an accurate depiction of what she  
8 saw on that day.

9 MS. REINKER: Objection.

10 A. You know, I don't know.

11 Q. Would it be reasonable to include infectious  
12 process in a doctor's differential diagnosis if he had  
13 seen those two different conditions six days apart?

14 MS. REINKER: Objection.

15 A. These pictures?

16 Q. No, if he had seen that condition, the change  
17 from the 15th to the 21st as depicted there, would it  
18 have been reasonable to include infection in a  
19 differential diagnosis?

20 MS. REINKER: objection.

21 We have no idea what these drawings  
22 depict. This is a non medical person  
23 drawing pictures.

24 MR. ROBERTS: Let him answer  
25 the question.

Page 88

1 MS. REINKER I'm objecting.

2 MR. ROBERTS: Please stop  
3 answering for your witness.

4 MS. REINKER: Bringing  
5 pictures this lady drew two months ago and  
6 trying to say they are medical  
7 representations --

8 BY MR. ROBERTS:

9 Q. Doctor, do you think I'm tricking you, you can't  
10 answer the question? Do you need your lawyer to help  
11 you answer the question?

12 A. You know, let's be honest, you are putting  
13 pictures in front of me that she drew from recollection  
14 and we do have records that describe the pathology and  
15 based on those descriptions we have actions by this  
16 physician.

17 And this is a nice try on her part, but it is  
18 two years later, I'm sorry. When you get right down to  
19 it, come on, she is drawing it as if she can tell the  
20 difference in her memory two years later 24 hours  
21 apart.

22 I don't want to throw darts at her, I've not met  
23 her, I'm sure she is a nice person. This is  
24 questionable.

25 Q. All right. So you think what she drew on the

Page 89

1 21st does not describe an area of third-degree necrosis  
2 surrounded by an area of second-degree burn?

3 A. I don't know what she drew on that day.

4 Q. I'm asking you to look at this and tell me if  
5 that looks like it?

6 A. I have seen artwork from my child come home from  
7 elementary school that could be a boat. I don't  
8 believe I have to accept this picture that she hand  
9 drew, this series of pictures two years later as any  
10 accurate depiction of anything and I'm not going to  
11 accept that even in the hypothetical.

12 Q. How would you draw what's described on the 21st?

13 A. I would try and draw it on the 21st if I was  
14 going to draw it. I wouldn't wait two years.

15 Q. I'm saying if you had to, how would you draw it?

16 A. I'm not a very good artist.

17 Q. All right. How do you get involved in the  
18 case?

19 A. I was called, I believe, by Ms. Reinker and I  
20 think after that I got this letter.

21 Q. Can I see the letter, please?

22 A. Yes.

23 Q. Thank you.

24 Have you ever talked to Dr. Lerner about this  
25 case?



Page 90

1 A. No.  
 2 Q. Do you know him?  
 3 A. Yes, I do.  
 4 Q. Do you know why he couldn't testify?  
 5 A. No, I don't.  
 6 Q. Did you ever look at any other cases for Susan  
 7 Reinker?  
 8 A. The very first case I ever did.  
 9 Q. When was that?  
 10 MS. REINKER: Does he have to  
 11 say what year?  
 12 MR. ROBERTS: Yes, aught 6.  
 13 MS. REINKER: I t quite.  
 14 MR. ROBERTS: It has taken on  
 15 a new meaning, this is aught 1.  
 16 A. I'm thinking '88 or '89.  
 17 Q. All right. Have you ever been a PIE insured?  
 18 A. No.  
 19 Q. Did you ever go to the law offices of Jacobson,  
 20 Maynard, Tuschman & Kalur?  
 21 A. I might have. Are they -- weren't they up --  
 22 Q. Up in the North Point Building, up on the lake.  
 23 A. Was I ever up there? It's possible.  
 24 Q. How many cases have you looked at for Susan  
 25 Reinker over the years?

Page 91

1 A. I know this one and I know the first one and  
 2 maybe one in between or two. I just --  
 3 Q. You ever look at any cases for members of the  
 4 firm of Jacobson, Maynard?  
 5 A. Yes.  
 6 Q. How many?  
 7 A. It has been a while since they've been doing  
 8 this.  
 9 Can you tell me what year they closed? That  
 10 will give me an idea; do you know?  
 11 Q. '97, end of '97 I think. That's my  
 12 recollection.  
 13 A. Probably in those years I was maybe seeing half  
 14 a dozen cases a year. Maybe.  
 15 Q. But they asked you to review --  
 16 A. Yes, they would ask.  
 17 Q. Did you ever testify for any of the lawyers in  
 18 their firm?  
 19 A. Yes, I'm sure I have.  
 20 Q. Do you remember the names of any of the other  
 21 lawyers?  
 22 A. If you mentioned them I would recognize them.  
 23 Q. Pat Murphy, tall guy, red hair.  
 24 MS. REINKER: If you don't  
 25 recall, Doctor, you don't recall.

Page 92

1 BY MR. ROBERTS:  
 2 Q. John Polito?  
 3 A. Yes, I know John.  
 4 Q. Bill Bonezzi?  
 5 A. Does he have white hair?  
 6 Q. M-hm.  
 7 THE WITNESS: Is that your  
 8 firm?  
 9 MS. REINKER: M-hm.  
 10 A. I know Bonezzi. I didn't testify for him, but I  
 11 know who he is.  
 12 Q. How about Mr. Switzer?  
 13 -- a  
 14 Q. M-hm.  
 15 A. No, I don't know him.  
 16 Q. You testified for Mr. Bonezzi and Mr. Polito?  
 17 A. Not Bonezzi per se. He was involved in a case  
 18 representing someone else where I was a defense expert.  
 19 That's how I recognize the name.  
 20 Q. How about Mr. Polito, do you recognize his name?  
 21 A. Yes, and I'm trying to remember. For some  
 22 reason I think foot, there might be a podiatrist named  
 23 Polito.  
 24 MS. REINKER: There is.  
 25 A. That's why.

Page 93

1 Q. Do you know Dr. Fosch?  
 2 --  
 3 Q. Do you know Dr. Wellman?  
 4 A. No.  
 5 Q. Are you going to testify for Dr. Wellman as  
 6 well?  
 7 A. Yes.  
 8 Q. You ever prescribe heating pads for your  
 9 patients?  
 10 sure  
 11 Q. What do you do now, still prescribe electric  
 12 heating pads for your patients?  
 13 A. If they need it.  
 14 Q. So it's a supposition.  
 15 A. I use it myself.  
 16 Q. Do you ever determine whether your patients have  
 17 any nerve damage before prescribing a heating pad?  
 18 A. I don't know that I have.  
 19 Q. Obviously you know what diabetic neuropathy is.  
 20 A. Yes.  
 21 Q. It's very common in people who have diabetes.  
 22 A. Yes.  
 23 Q. Longstanding.  
 24 A. Yes.  
 25 Q. Had it 20 years.

1 A. **Wouldn't surprise me.**  
 2 Q. Is it more common in people who have non insulin  
 3 dependent diabetes?  
 4 A. **I don't know if that's true.**  
 5 Q. If someone does have diabetic neuropathy  
 6 wouldn't they, all other things being equal, be more  
 7 likely to be burned by an electric heating pad than  
 8 somebody who has healthy, intact nerve sensation?  
 9 A. **Not necessarily.**  
 10 Q. I know not necessarily, but wouldn't a person  
 11 who has nerve damage be less likely to detect burn  
 12 damage than someone who doesn't have nerve problems?  
 13 A. **True.**  
 14 Q. That's just pretty obvious, right?  
 15 A. **Yes.**  
 16 Q. Have you ever had a patient burned with a  
 17 heating pad?  
 18 A. **No.**  
 19 Q. Have you ever heard that reported by other  
 20 doctors or in the literature?  
 21 A. **I can't -- nothing jumps out at me.**  
 22 Q. What instructions do you give your patients with  
 23 respect to the use of a heating pad?  
 24 A. **Depends on what they are using it for.**  
 25 Q. Let's say they have an orthopedic injury to

1 their knee. That's what you think they have.  
 2 A. **And I'm going to use a heating pad at some**  
 3 **point?**  
 4 Q. I'm assuming you're prescribing a heating pad.  
 5 A. **Okay. Well, you limit the amount of heat and**  
 6 **you limit the time that it's on at any one given point**  
 7 **in time.**  
 8 Q. What limitation do you put on the heat?  
 9 A. **Well, generally I like to use what they call**  
 10 **moist heat so you put some other sort of wrap on there**  
 11 **and you put the pad on there and you leave it for a**  
 12 **prescribed amount of time that would be limited**  
 13 **depending what you're doing. If you're putting it over**  
 14 **a joint I certainly wouldn't have it on there much more**  
 15 **than a half an hour.**  
 16 Q. You say moist heat, what do you mean, a towel  
 17 and hot water?  
 18 A. **You take like -- in the patient's home you tell**  
 19 **them get a wash cloth, face cloth, put it in the sink**  
 20 **in hot water, wring it out, feel it so they know it is**  
 21 **not scalding, you advise him not to have scalding**  
 22 **water, you put it in the involved area and you might**  
 23 **tell them to put some cellophane around it to hold the**  
 24 **moisture in, then you put the heat around it and you**  
 25 **leave it there for a period of time, then you take it**

1 **all off.**  
 2 Q. What setting on the heating pad do you tell me  
 3 them to use?  
 4 A. **I don't ever tell anybody to use anything more**  
 5 **than low.**  
 6 Q. And no more than half an hour?  
 7 A. **That would be a pretty good rule.**  
 8 Q. When you prescribe a heating pad, people don't  
 9 have direct contact between the pad and their skin?  
 10 A. **You know what a heating pad is like, they are**  
 11 **usually large, they are not -- at least the ones I've**  
 12 **seen are fairly big, so there may be.**  
 13 Q. But generally you avoid that kind of contact.  
 14 A. **You know, I don't know that I comment on that,**  
 15 **other than the area that's of involvement is where I**  
 16 **would focus on the moist part of it. I don't know that**  
 17 **you can avoid it.**  
 18 Q. If you think your patient has a contusion of the  
 19 knee, do you prescribe ice or heat?  
 20 A. **Early on you ice it to try and minimize the**  
 21 **swelling. Later on people use heat to try and reabsorb**  
 22 **all the fluids.**  
 23 Q. And when you say early on, what do you mean?  
 24 A. **I don't know. Let's say you slip, you know, on**  
 25 **the way into work, hurt your knee, fall down, sprain**

1 something, land on your wrist, whatever. You want to  
 2 try and ice it soon. The sooner the better. The  
 3 longer it goes on the less likely it is to help.  
 4 At some point after that, a day, two days,  
 5 you're not going to get much more benefit of the ice,  
 6 the action has happened. Now you have swelling and all  
 7 the tissue reaction. Now you might use heat just to  
 8 try and get that to reabsorb. Sort of depends on what  
 9 you're looking at, what happened.  
 10 Look at baseball, a good example, they come in  
 11 from pitching, their elbow goes in the ice bucket for  
 12 hours, then it comes out. The next day they are using  
 13 heat to try and clean it up, same sort of idea.  
 14 Q. Do you have some understanding of the facts that  
 15 gave rise to Jack Rogers having a burn from the heating  
 16 pad?  
 17 A. **My understanding is he slept on this or he fell**  
 18 **asleep while it was on and got a burn.**  
 19 Q. But that's never happened to your patients.  
 20 A. **You know, I don't have any recollection of that.**  
 21 **I can believe it.**  
 22 Q. Do you know how someone would get a third-degree  
 23 burn from a heating pad without realizing it and taking  
 24 it off before it happened, if someone had normal nerve  
 25 sensation?



Page 98

1 A. Depends how sound a sleeper they are.  
 2 Q. I mean if someone is sound asleep and you put a  
 3 hot frying pan on their foot, they would still wake up?  
 4 A. A hot frying pan is a hot frying pan.  
 5 Q. They would wake up?  
 6 A. Thermal injury is a tricky thing.  
 7 It's the frog analogy in the frying pan. You  
 8 put a frog in the frying pan and you gradually increase  
 9 the heat he will just sit there as happy as can be  
 10 until you fry him into a smoke; did you know that?  
 11 Q. Is this based on scientific experimentation: is  
 12 this a medical myth?  
 13 MS. REINKER: This is human  
 14 person myth.  
 15 A. You take the frog --  
 16 Q. With people, too?  
 17 A. I have never done that. The notion is that  
 18 gradually increasing heat you -- what's the word, a  
 19 gradually increasing noxious stimuli becomes  
 20 imperceptible until you reach a threshold of pain at  
 21 which point the frying pan theory takes over. But if  
 22 you put something that's extremely hot all of a sudden,  
 23 yes, you would, it's like a shock.  
 24 Q. But if you turn the frying pan from 98.6 up to  
 25 190 over a couple hours you don't notice it until the

Page 99

1 damage is done typically, so that's what you're saying?  
 2 A. If you went up gradually or you just left it on  
 3 there in a fixed amount of heat, you burn it  
 4 continuously, you may not be aware of it.  
 5 Q. So what you're saying, you don't have to be  
 6 someone with abnormal nerve to get a  
 7 third-degree burn from a heating pad.  
 8 A. No.  
 9 Q. Well, then And is this frying pan with the frog  
 10 in it theory known to medical students, is that  
 11 something that everybody learns?  
 12 A. One of those things when you hear it one time  
 13 you can't forget it, can you?  
 14 Q. Where did you learn this?  
 15 A. I forgot, but it was so bizarre I couldn't  
 16 forget it.  
 17 MR. ROBERTS: How long?  
 18 MS. REINKER: I don't know.  
 19 It has anything to do with medicine. I  
 20 think it's an analogy to human injury.  
 21 BY MR. ROBERTS  
 22 Q. I'm wondering if doctors all learn that before  
 23 they go into practice.  
 24 A. I didn't learn this in medical school. You know  
 25 what, I know where I heard this, I went to take a

Page 100

1 course in health care administration at a business  
 2 school, that's where I heard it. That's the only thing  
 3 I remembered that day. It was sad.  
 4 MS. REINKER: Do you have any  
 5 more questions?  
 6 MR. ROBERTS: Yes, I'm almost  
 7 done here.  
 8 BY MR. ROBERTS:  
 9 Q. Do you have any opinions as to whether Jack  
 10 Rogers did or did not have diabetic neuropathy in  
 11 October '98?  
 12 A. I don't know any  
 13 did.  
 14 Q. You think you can rule it out?  
 15 A. Yes.  
 16 Q. Why?  
 17 A. Because he didn't have any symptoms.  
 18 Q. Who tested them?  
 19 A. He didn't volunteer any symptoms.  
 20 Q. Do all your patients volunteer symptoms of  
 21 diabetic neuropathy?  
 22 A. Just about.  
 23 Q. For example?  
 24 A. Numbness, pain, trouble sleeping, shoes rubbing  
 25 ulcers on their toes.

Page 101

1 Q. But that's when it is pretty far along, right?  
 2 Doesn't it gradually increase over years?  
 3 A. It may be gradually progressing. In terms of  
 4 extent, neuropathy is neuropathy, sensation is  
 5 sensation.  
 6 Q. If Jack Rogers had not had a test for diabetic  
 7 neuropathy for five years before October '98, could an  
 8 intern seeing him then be confident that he did not  
 9 have diabetes and not be prescribing a heating  
 10 pad?  
 11 A. It may have been irrelevant.  
 12 Q. In what sense?  
 13 A. In the sense that it's not a medical condition.  
 14 Diabetic neuropathy is the classic what they call  
 15 a "burning foot" syndrome.  
 16 Q. Meaning what?  
 17 A. Meaning that's where it presents, foot and  
 18 leg.  
 19 Q. How far up does it go?  
 20 A. It can progress and can go up your leg.  
 21 That's very advanced and those patients are not  
 22 asymptomatic by any stretch of the imagination.  
 23 Q. How far up can it go?  
 24 A. At its worst the patient has painful  
 25 neuropathies of the entire extremity.

Page 102

1 Q Up to their p?

2 A. **Yes, and weakness.**

3 Q. Have you ever seen that?

4 A. **Yes.**

5 Q. You see it in diabetics quite a bit?

6 A. **I have seen it.**

7 Q. How many times have you seen it up to the knee?

8 A. **Not very often.**

9 Q. You're saying your patients who have it up to

10 the knee have already reported some other symptoms?

11 A. **Yes, that's very advanced.**

12 Q. If a patient reported that they had gotten a

13 third-degree burn on their knee with a heating pad and

14 you knew they had diabetes for 20 years, would you

15 possibly think that that's an indication that they do

16 have diabetic neuropathy?

17 A. **Depends on what happened. If somebody tells me**

18 **they fell asleep, you say okay, you fell asleep, taking**

19 **pain medications, drowsy, you fell asleep, okay, it's**

20 **an accident. Wouldn't necessarily prompt me to say you**

21 **have neuropathy.**

22 **Would a test show neuropathy if he had it, yes,**

23 **but the point is you won't have neuropathy up to your**

24 **knees without symptoms.**

25 Q. Let's go to the record, because you like to, on

Page 103

1 the 15th.

2 A. **Fine.**

3 Q. Are there any records there that Jack Rogers

4 fell asleep with the heating pad on?

5 A. **It says increased swelling after applying heat.**

6 Q. Nothing about falling asleep.

7 A. **Not there.**

8 Q. So the record doesn't say he fell asleep. It is

9 mentioned after he --

10 A. **This piece of paper says nothing about falling**

11 **asleep.**

12 Q. So where does the falling asleep come from?

13 A. **Well, how about I will give you one example.**

14 **This is the infectious disease consultant note from the**

15 **hospitalization at Lake West, October 26th to November**

16 **16th, and it's the dictated note of Dr. Hutt.**

17 Q. Who never saw the patient when he was applying

18 heat, who wasn't there.

19 A. **All right, but am I saying where the record**

20 **shows that he fell asleep?**

21 Q. Okay.

22 A. **It says follow-up visit on 10-21.**

23 Q. Are your pages numbered?

24 A. **A follow-up visit on 10-21-98 found that he had**

25 **used a heating pad and fallen asleep and sustained a**

Page 104

1 **second- and third-degree burn of the right popliteal**

2 **area.**

3 Q. All right. That's Dr. Hutt who wasn't there

4 writing weeks later, right? Much later.

5 A. **This is Dr. Hutt providing infectious disease**

6 **consultation on October 27th.**

7 A. **And he dated his note that day at twelve o'clock**

8 **noon.**

9 MR. ROBERTS: Let me take a

10 break for one minute, okay? I think we

11 are almost done.

12 (Thereupon, there was a brief

13 recess.)

14 BY MR. ROBERTS:

15 Q. Doctor, we were talking about diabetic

16 neuropathy. Doesn't it gradually increase to the point

17 where you feel like you're wearing like a pair of

18 pantyhose, kind of dull?

19 A. **I never heard it described that way.**

20 Q. Do you know Dr. John Conomy?

21 A. **Why do I know that name?**

22 Q. Neurologist?

23 A. **He is on this case.**

24 Q. Do you know him?

25 A. **I couldn't pick him out of a crowd.**

Page 105

1 Q. Do you recall reading his report in this case?

2 A. **Yes. Something about him examining him and**

3 **saying he has neuropathy.**

4 Q. Do you disagree with his report?

5 A. **Was it this year he examined him, 2001?**

6 Q. M-hm.

7 A. **I have no reason to disagree with that.**

8 Q. Do you disagree with his conclusion he had

9 neuropathy in October '98?

10 A. **Yes.**

11 Q. Why?

12 A. **He has no basis for that.**

13 Q. He can't extrapolate back in time?

14 A. **The record has someone who saw him in 2000 that**

15 **found he had normal sensation in his feet.**

16 Q. With the monofilament test?

17 A. **That's the one.**

18 Q. Is that adequate to determine diabetic

19 neuropathy?

20 A. **If he has neuropathy to the point where he is**

21 **numb at the knee, yes. By that time it is very far**

22 **advanced.**

23 Q. Do you remember Jack Rogers' testimony that he

24 was given a pinprick test by Dr. Posch and he was asked

25 did you feel that pinprick and he said, well, I saw him

Page 106

1 poking me, so of course I did.  
 2 Do you remember that testimony?  
 3 A. No.  
 4 Q. No?  
 5 A. No.  
 6 Q. Is that useful in your determining whether he  
 7 had loss of nerve sensation in October of '98?  
 8 A. Maybe.  
 9 Q. How so?  
 10 A. I need to read the description, where was he  
 11 poking him, is it his toes, is it his foot, is it his  
 12 thigh.  
 13 Q. When someone says I knew they were poking me  
 14 because I could see it, doesn't that imply if I didn't  
 15 see it, I wasn't feeling it?  
 16 A. No.  
 17 Q. All right. The fact that he had a high sugar  
 18 count on the 21st, isn't that possibly attributable to  
 19 infection?  
 20 A. is  
 21 Q. Not anything.  
 22 A. You said possible, I'm answering it.  
 23 Q. You can't be your own mother.  
 24 A. that  
 25 Q. You cannot be your own mother.

Page 107

1 A. What does that mean?  
 2 Q. You said anything is possible. I'm saying that  
 3 it is not possible.  
 4 He had a blood sugar of 375 on October 21st,  
 5 right?  
 6 A. Yes.  
 7 Q. And he reported that he was extremely thirsty.  
 8 A. True.  
 9 Q. Now, doesn't that indicate that his diabetes is  
 10 out of control?  
 11 A. Yes.  
 12 Q. And isn't a high blood sugar and extreme thirst  
 13 something that can be attributable to infection?  
 14 A. Oh, yes.  
 15 Q. Have you ever attributed high blood sugar to  
 16 infection?  
 17 A. Yes.  
 18 Q. Why?  
 19 A. Under those circumstances what happens is you  
 20 have stress hormones go up in your body and infection  
 21 is a manifestation of stress. Stress hormones are  
 22 adrenal and steroids, we call cortisol. Both of  
 23 those lead to glucose intolerance which means glucose  
 24 levels go up, but that's under stress response.  
 25 You get stress response from things other than

Page 108

1 infection and you can have your glucose go up, too, but  
 2 yes, I have seen that in infection.  
 3 Q. Do you have any opinion as to why his blood  
 4 sugar was 375 on October 21st?  
 5 A. Only speculation.  
 6 Q. Does speculation include infection?  
 7 A. Not really.  
 8 Q. Sort of?  
 9 A. No.  
 10 Q. Not at all?  
 11 A. I don't believe he had infection.  
 12 Q. All right. How can you rule out that as a  
 13 symptom of infection in this patient on that day?  
 14 A. Because he didn't have any signs or symptoms of  
 15 infection.  
 16 Q. Tell me what you think was the scenario for the  
 17 necrotizing fascitis, where did it come from, what was  
 18 the precursor to it and what happened?  
 19 MS. REINKER objection.  
 20 I think this has all been answered  
 21 long ago.  
 22 A. a  
 23 developed inflammation in the popliteal and calf area,  
 24 I think he developed bleeding and then it was  
 25 complicated by a burn. And the burn included a full

Page 109

1 thickness necrosis that at some point became infected  
 2 and extended into the fascial plane and caused  
 3 necrotizing fascitis, that's what I believe happened.  
 4 Q. Okay. Could that have been prevented?  
 5 A. No.  
 6 Q. Why not?  
 7 A. Because then if you carry that to the extreme,  
 8 say okay, this could be prevented, you shouldn't have  
 9 sat with your legs crossed, that's absurd.  
 10 Q. I'm not sure I understand your answer.  
 11 A. A ruptured nonlateral cyst because of the way he  
 12 was sitting when he was working.  
 13 Q. Let me ask you a better question.  
 14 Was it medically possible -- was it possible  
 15 there would be medical intervention to prevent his  
 16 necrotizing fascitis?  
 17 A. No.  
 18 Q. You're saying if he had seen a doctor on Sunday  
 19 there were no signs and symptoms and it  
 20 couldn't have been prevented?  
 21 A. If he has necrotizing fascitis, he has it, it  
 22 boom.  
 23 Q. You're not saying necrotizing fascitis can never  
 24 been detected and prevented, are you?  
 25 A. You can't detect it unless it's there, and it's

Page 110

1 **there.**  
 2 Q. You're not saying someone goes from a perfectly  
 3 quiescent normal leg to a necrotizing fascitis  
 4 immediately.  
 5 A. Yes.  
 6 Q. Within what, seconds?  
 7 A. It unfolds in front of your eyes in minutes to  
 8 hours, and when the patient comes in it is not  
 9 frequently recognized for what it is, but preventing  
 10 it --  
 11 Q. Are you saying there's no infection and then the  
 12 first infection is necrotizing fascitis?  
 13 A. Yes.  
 14 Q. No precursor infection.  
 15 A. I have seen that more times than I can remember.  
 16 Q. There's never a precursor infection?  
 17 A. True.  
 18 Q. Never never?  
 19 A. Not never never. We talked early about there's  
 20 different mechanisms, how this starts. One is  
 21 spontaneous, one is you have an open wound that at some  
 22 point gets infected and extends into that plane, boom,  
 23 there's an underlying infection which progresses to

Page 111

1 necrotizing fascitis, right?  
 2 A. Extends to the fascial plane?  
 3 Q. M-hm.  
 4 A. I'm sure we could think of examples.  
 5 A. That's always happens; does it not?  
 6 A. Yes.  
 7 Q. In other words, necrotizing fascitis is often  
 8 just a more serious extent or complication of a prior  
 9 infection.  
 10 A. No.  
 11 Q. Never is? Are we talking definites here?  
 12 A. You said never. Now I'm saying no, it is not  
 13 never, but it is not more often.  
 14 Q. Tell me how his skin broke down and he developed  
 15 necrotizing fascitis, when did this happen, what was  
 16 different say on Sunday than on Saturday or Friday?  
 17 A. He has a burn, it is full thickness, there's  
 18 necrosis there that has a potential for becoming an  
 19 infection. He has a hematoma in his calf.  
 20 At some point the colonization of those  
 21 organisms becomes an infection that extends into that  
 22 hematoma. You're now in fascial planes, that hematoma  
 23 subrogates into an abscess and it takes off along those  
 24 planes and that happens in hours.  
 25 Q. Why is it that it appeared to be working in days

Page 112

1 prior, the Neosporin on Thursday, Friday, Saturday, and  
 2 all of a sudden now it doesn't work anymore, what  
 3 happened?  
 4 A. When you meet the person that has the answer to  
 5 that you let me know because we will be famous. When  
 6 you can predict who is going to get an infection at any  
 7 period of time, despite their risk factors, when it is  
 8 actually going to snap just like that, you have  
 9 something.  
 10 Q. I'm not asking you to put an exact time on it,  
 11 but I'm just saying physiologically why is it that the  
 12 Neosporin isn't working, what would happen?  
 13 A. Let's say you have this burn and this central  
 14 eschar. You look at that burn, it is not all even, you  
 15 have little nooks and crannies you're putting this  
 16 topical stuff on maybe you missed a cranny and the  
 17 organism is there. Maybe it was working for a while  
 18 and like everything in nature the organisms that  
 19 finally land there are resistant to your antibiotic  
 20 because are it and  
 21 because sooner or later nature arises with a bacteria  
 22 that's resistant. That's the problem we have in modern  
 23 infectious disease, antibiotic resistance. You can

Page 113

1 pick up any journal, any newspaper, all they say is  
 2 look at how the germs have escaped our ability to treat  
 3 them because of this phenomenon.  
 4 Q. The strep being Klebsiella that he had were  
 5 treated when he got in the hospital, right?  
 6 A. Yes.  
 7 Q. That's why he still has his leg?  
 8 A. Yes.  
 9 Q. So the germs he did have then were treatable.  
 10 A. Surgically.  
 11 Q. And through antibiotics.  
 12 A. He wouldn't have his leg except for surgery.  
 13 Q. You're not saying all the infectious agents were  
 14 removed surgically, are you? He didn't need  
 15 antibiotics?  
 16 A. There are people in the pre-antibiotic era who  
 17 had necrotizing fascitis and survived based on surgery,  
 18 and we all know --  
 19 Q. How much more extreme was the surgery than what  
 20 happened to him?  
 21 A. Some of it is no more extreme. What the surgeon  
 22 has to do is cut out the infected tissues, that's their  
 23 job, that's why it is so extensive. That's why you see  
 24 these pictures you go wow.  
 25 The first time a medical student sees the

age 114

Page 116

1 surgery on a necrotizing fascitis they probably drop  
2 because they are so floored by how extensive it is.  
3 The surgeon goes in there with the notion I'm operating  
4 for cure, I don't leave any unhealthy tissue behind and  
5 you have these massive debridements.

6 They are not thinking the antibiotics will mop  
7 up. They go in and say I'm operating for cure, I'm not  
8 leaving anything behind.

9 Q. How can they tell whether the fascia is infected  
10 or not between the muscles way up the leg?

11 A. Normally that is, as we said, a potential space,  
12 it is stuck together. The surgeon puts their finger in  
13 that space, and with necrotizing fascitis that space  
14 falls apart and you just put your finger in there, goes  
15 right up.

16 I have been there myself because I have been in  
17 the operating room with the surgeons. They follow  
18 their finger up and follow with the knife until the  
19 fascial plane reappears, looks glistening, healthy.  
20 They debride a little further and leave the wound open,  
21 go back in in another day or so and say did it extend.

22 Q. If he had been debrided on the 21st and  
23 carefully followed up, at that point he would not have  
24 developed necrotizing fascitis?

25 MS. REWKER: objection.

Page 115

1 A. I think he still could have developed  
2 necrotizing fascitis because he still would have had an  
3 open entry point.

4 Q. But if he is under close medical supervision,  
5 either in the hospital or he has visiting nurses seeing  
6 him regularly and he has wet to dry saline dressings  
7 and prophylactic antibiotics, is it more probable than  
8 not it would have been avoided?

9 A. No, and he wouldn't have had a prophylactic  
10 antibiotic and I have seen it develop under those very  
11 circumstances. He has an entry wound, it will always  
12 be colonized. Prophylactic antibiotics, there is no  
13 data, none, zilcho it is going prevent this.

14 Q. I'm not talking in absolutes, I'm talking  
15 probabilities.

16 A. It is not even probable.

17 Q. More probable than that he will not develop  
18 necrotizing fascitis.

19 A. True.

20 Q. Why bother?

21 A. He is talking about --

22 Q. Debridement, wet to dry saline dressings, number  
23 2; number 3, close medical supervision; number 4,  
24 antibiotics, with those four things, as opposed to what  
25 happened here which is Neosporin, gauze and ace

1 bandage, isn't it more probable that necrotizing  
2 fascitis would have been prevented with those four  
3 things than with what he did get?

4 MS. REINKER objection.

5 It has been answered.

6 A. No.

7 Q. No?

8 A. No.

9 Q. All right. Why do you say no?

10 A. Okay, let's say you debride off that eschar, big  
11 deal. You still have an ulcer, you still have a wound.

12 It is not like he is going to close that wound because  
13 you debrided it. It is an open entry point for germs,  
14 it will be colonized.

15 I don't care what antibiotic you have, whether  
16 it is oral or IV, he will colonize, and you asked so  
17 I'm going to tell you, he has entry points. We haven't  
18 changed the pathology, he still has a ruptured cyst,  
19 still has blood, still has an entry point, still has  
20 diabetes.

21 All you have done is select with your  
22 antibiotics what resistant bacteria will land there,  
23 and when it lands there, boom, boom, off it goes.

24 Q. He has been carefully monitored, that's part of  
25 the scenario, for signs of infection.

Page 117

1 A. There's no evidence here --

2 Q. Are you saying there's no sign of infection  
3 until you get infection?

4 A. There's no evidence that he wasn't monitored for  
5 signs of infection, there's no evidence here he has  
6 infection. In his own words he had no symptoms that  
7 suggested he had infection until Monday morning. I  
8 give him the benefit of the doubt, I read his  
9 deposition.

10 Q. Other than pain getting worse, stiffness getting  
11 worse, everything is getting worse.

12 A. We have been there.

13 Q. But --

14 A. I don't accept pain as a sign of infection.

15 Q. You want to say drainage.

16 A. Fever.

17 Q. Fever, drainage?

18 A. Fever, drainage, extending signs of cellulitis  
19 and redness, dizziness suggesting blood pressure  
20 problems. We didn't see those. He didn't admit to any  
21 of those.

22 MR. ROBERTS: That's all I  
23 have.

24 MS. REINKER: You have the  
25 right to review your deposition transcript

Page 118

1 before your signature is put on it. I  
2 would suggest that you do, but we have a  
3 trial in a week, so can we agree that any  
4 corrections we can get to you before he  
5 testifies? We have no choice. It is  
6 going be seven days no matter what.

7 MR. ROBERTS: I would like  
8 them in at least two days before he  
9 testifies.

10 MS. REINKER: two days  
11 before, I doubt if he will have it two  
12 days before, we will do our best.

13 MR. ROBERTS: I don't want to  
14 find out there will be a change 10 minutes  
15 before he testifies.

16 MS. REINKER: I doubt there  
17 will be any changes of substance.

18 - - -

19 (DEPOSITION CONCLUDED.)

20 - - -

21  
22  
23  
24 Richard J. Blinkhorn, Jr., M.D. Date  
25 - - -

Page 119

1 State of Ohio, ) SS: CERTIFICATE  
2 County of Cuyahoga. )

3 I, Janet M. Hoffmaster, a Registered Professional  
4 Reporter and Notary Public within and for the State of  
5 Ohio, duly commissioned and qualified, do hereby  
6 certify that the within-named witness, RICHARD J.  
7 BLINKHORN, JR., M.D., was by me first duly sworn to  
8 tell the truth, the whole truth and nothing but the  
9 truth in the cause aforesaid; that the testimony then  
10 given by him was reduced to stenotypy, and afterwards  
11 transcribed by me through the process of computer-aided  
12 transcription, and that the foregoing is a true and  
13 correct transcript of the testimony so given by him as  
14 aforesaid.

15 I do further certify that this sworn statement was  
16 taken at the time and place in the foregoing caption  
17 specified.

18 I do further certify that I am not a relative,  
19 employee or attorney of either party, or otherwise  
20 interested in the event of this action.

21 IN WITNESS WHEREOF, I have hereunto set my hand  
22 and affixed my seal of office at Cleveland, Ohio, on  
23 this 17th day of April 2001.

24 Janet M. Hoffmaster, RPR and Notary Public

25 in and for the State of Ohio.



'88 [1]	90:16					29 [1]	3:17					80:11	81:20	82:7	89:8	89:11
'89 [1]	90:16					3 [3]	1:22	3:18	115:23			117:14				
'97 [2]	91:11	91:11				3.2 [1]	40:12					acceptable[1]	47:13			
'98 [4]	100:11	101:7	105:9	106:7		30 [2]	3:17	7:19				accepted[2]	45:9	50:15		
1 [7]	3:11	4:1	7:5	66:3		31 [1]	3:17					access[4]	15:9	16:14	16:14	
85:10	86:20	90:15				323 [1]	2:5					16:22				
10 [3]	1:13	21:2	118:14			34 [1]	3:17					accident[1]	102:20			
10-13 [1]		57:16				35 [1]	85:19					accurate [6]	58:17	58:18	69:17	
10-13-98 [2]		58:3	58:22			36.9 [1]	78:24					87:1	87:7	89:10		
10-21 [1]		103:22				37 [1]	79:3					ace [1]	115:25			
10-21-98 [1]		103:24				37.5 [1]	78:18					acquired [1]	12:25			
10-26 [1]		57:16				375 [2]	107:4	108:4				action [5]	49:18	86:14	86:15	
10-26-97 [1]		78:24				38 [1]	78:20					97:6	119:20			
100.3 [1]	78:20					39 [1]	35:15					actions [7]	48:12	48:14	49:11	
108 [1]	3:18					390671 [1]		1:8				51:25	52:2	52:4	88:15	
115 [1]	3:19					4 [4]	3:6	3:11	3:12	115:23		addition [1]	55:14			
116 [1]	3:19					4.0 [1]	34:20					adds [1]	32:8			
12 [4]	25:13	26:10	49:11	73:13		40 [1]	7:19					adequate [1]	105:18			
12th [1]	24:13					44113 [1]		2:5				adequately [1]	73:23			
1330 [1]	78:24					44114 [1]		2:11				adjacent [1]	18:16			
13th [3]	57:2	57:12	57:19			45 [1]	78:2					adjust [2]	47:19	72:20		
1400 [1]	2:10					450 [1]	2:4					administration [1]		100:1		
15 [1]	1:22					46.9 [1]	78:21					administrative [1]		7:18		
15th [6]	40:10	77:20	86:24	87:2		48 [1]	9:15					admission [1]	78:16			
87:17	103:1					50 [3]	5:1	5:1	5:2			admit [3]	43:14	44:10	117:20	
16th [1]	103:16					50s [1]	43:9					admitted [7]	8:16	22:23	42:8	
17 [2]	82:7	82:10				52 [1]	3:17					70:6	71:4	72:7	84:23	
17th [1]	119:23					526 [1]	2:10					adrenaline [1]	107:22			
190 [1]	98:25					57 [1]	3:18					advanced [3]	101:21	102:11	105:22	
1998 [1]	35:12					6 [1]	90:12					advise [1]	95:21			
2 [5]	3:12	3:18	3:18	4:2		60 [1]	8:10					affect [2]	33:23	36:1		
115:23						69 [1]	3:18					affects [1]	11:7			
2.0 [1]	34:16					70 [1]	3:18					affixed [1]	119:22			
2.5 [1]	34:16					72 [1]	3:18					aforesaid [2]	119:9	119:14		
20 [3]	53:1	93:25	102:14			74 [1]	3:18					Africa [1]	13:5			
2000 [3]	7:10	7:11	105:14			781-6166 [1]		2:6				afternoon [2]	69:1	109:19		
2001 [4]	1:13	7:5	105:5	119:23		8.0 [1]	34:20					afterwards [2]	20:3	119:10		
21 [1]	35:12					83 [1]	3:18					again [2]	41:6	106:24		
216 [2]	2:6	2:11				85 [1]	3:18					against [1]	19:14			
21st [42]	26:8	26:10	33:22	34:4		86 [1]	3:18					age [4]	22:7	40:9	41:16	43:6
35:22	38:13	38:16	39:9	40:10		87 [1]	3:18					Age-wise [1]	12:9			
42:10	46:4	47:25	48:4	48:5		875-2767 [1]		2:11				agency [1]	72:1			
48:7	52:8	53:23	60:10	60:20		8th [1]	56:23					agents [1]	113:13			
62:3	62:14	62:24	63:7	63:12		90 [2]	8:10	78:2				ago [4]	58:5	82:8	88:5	108:21
63:20	64:13	68:18	70:9	71:7		98.6 [1]	98:24					agony [3]	22:22	23:11	23:14	
77:18	83:24	84:11	86:25	87:3		99s [1]	78:20					agree [7]	17:6	33:14	50:2	60:7
87:17	89:1	89:12	89:13	106:18		9th [2]	26:9	56:23				62:9	74:14	118:3		
107:4	108:4	114:22				abdomen [1]		15:5				ahead [4]	46:1	51:17	57:11	62:19
23rd [18]	27:15	27:16	28:2	28:7		ability [5]		11:8	20:1	50:24		al [2]	1:6	1:9		
29:4	46:20	49:13	62:14	62:24		77:13	113:2					allergic [1]	85:20			
63:7	63:10	63:12	64:9	65:2		able [1]	65:13					allow [1]	14:20			
69:2	69:19	74:22	74:23			abnormal [4]		49:15	65:22	67:23		allows [1]		81:12		
24 [7]	9:15	13:14	14:1	14:4		99:6						almost [5]	57:9	76:24	82:2	
15:24	59:22	88:20				above [1]		1:22				100:6	104:11			
24,000 [2]		85:10	86:20			abscess [2]		19:19	111:23			Alone [1]		44:24		
25 [2]	41:19	41:22				absolutely [2]		59:14	73:4			along [8]	15:11	50:23	53:20	54:12
2500 [1]	1:21					absolutes [1]		115:14				56:21	77:14	101:1	111:23	
26th [15]	12:17	46:18	53:12	53:15		absurd [1]		109:9				always [5]	24:8	50:12	81:11	
60:6	77:17	78:12	78:18	79:9		accept [14]		30:19	32:5	52:3		111:5	115:11			
81:22	82:1	82:16	83:16	83:23		69:9	76:4	76:6	77:6	78:4		amazing [1]	16:2			
103:15												ambulatory [1]	8:19			
27th [1]	104:6															
28 [1]	3:17															



among[1]	12:5				attribute[1]	73:19				bias[1]	31:19			
amount[6]	43:2	73:24	81:13		attributed[2]	82:3	107:15			big[12]	22:2	29:17	34:15	55:21
95:5	95:12	99:3			atypical[2]	24:1	24:2			59:8	63:23	64:10	64:23	65:9
analogy[2]	98:7	99:20			auger[2]	52:21	52:21			71:23	96:12	116:10		
anaphylactic[2]	85:13	85:20			aught[2]	90:12	90:15			bigger[2]		42:17	72:13	
anaphylaxis[3]	85:5	85:10	86:20		August[1]	7:9				biggest[1]		4:24		
anatomic[1]	14:25				automatically[1]		85:13			Bill[1]	92:4			
anatomy[3]	14:7	14:7	49:10		Avenue[2]	2:5	2:10			bit[4]	24:2	83:4	85:23	102:5
ankle[1]	80:2				avoid[2]	96:13	96:17			bizarre[1]		99:15		
answer[13]	8:6	9:20	65:13		avoided[1]		115:8			black[6]	40:12	56:25	57:4	57:17
66:7	67:12	67:17	69:15	72:3	aware[2]	31:6	99:4			59:1	59:4			
87:24	88:10	88:11	109:10	112:4	away[2]	11:4	24:9			blackened[1]		42:20		
answered[4]	83:9	83:14	108:20		B[9]	12:18	12:22	12:24	13:2	bleeding[2]		61:24	108:24	
116:5					16:10	17:2	17:8	17:23	19:14	bleeds[1]		55:12		
answering[2]	88:3	106:22			bacteremia[2]		10:11	19:18		blew[1]	75:20			
anterior[1]	25:6				bacteria[20]		10:5	10:6	12:20	Blinkhorn[7]		1:12	1:15	4:2
anti-inflammatories[2]	25:10	25:13			13:10	16:17	16:25	17:1	17:3	4:5	4:13	118:24	119:7	
antibiotic[9]	85:20	86:4	86:18		17:7	18:8	18:21	20:3	52:18	blood[58]		48:19	49:6	49:8
86:22	112:19	112:22	112:25	115:10	52:18	52:22	52:24	52:24	53:18	49:23	52:11	52:14	52:17	52:19
116:15					112:23	116:22				52:21	52:21	52:23	53:2	53:3
antibiotics[11]	44:8	84:25	85:3		bad[1]	53:10				53:4	53:5	53:24	54:4	54:5
85:9	113:11	113:15	114:6	115:7	Band-Aid[1]		36:19			54:6	54:8	54:10	54:12	54:16
115:12	115:24	116:22			bandage[1]		116:1			54:19	54:21	55:8	55:10	56:15
apart[3]	87:13	88:21	114:14		barbecue[1]		41:24			56:18	60:22	60:24	61:2	61:5
appearance[2]	33:12	33:13			BARSOUM[1]		2:3			61:9	61:11	61:11	61:16	61:16
APPEARANCES[1]	2:1				base[2]	31:24	32:18			62:3	62:14	62:25	63:7	63:11
appeared[1]	111:25				baseball[1]		97:10			63:25	66:22	70:8	71:7	73:9
applying[2]	103:5	103:17			based[8]	32:6	55:4	67:20	77:9	73:10	73:12	74:1	76:17	107:4
appropriate[4]	27:3	30:16	71:15		84:11	88:15	98:11	113:17		107:12	107:15	108:3	116:19	117:19
84:14					bases[1]	66:25				bloodstream[1]		16:18		
April[2]	1:13	119:23			basis[3]	9:9	9:12	105:12		blows[1]		59:21		
area[35]	6:17	10:4	10:11	24:15	bathroom[1]		77:16			blunt[1]	10:10			
24:17	24:25	26:25	34:20	35:6	bearing[1]		44:7			boat[1]	89:7			
36:19	37:1	38:15	39:15	40:11	became[1]		109:1			body[9]	15:3	17:7	17:8	18:20
40:11	40:12	40:17	42:20	43:3	become[5]		6:13	54:19	62:14	37:23	41:19	41:22	52:23	107:20
49:14	49:22	54:3	55:8	58:20	63:1	63:12				bones[2]		81:8	81:8	
60:21	63:24	65:3	72:9	72:12	becomes[3]		23:18	98:19	111:21	Bonezzi[5]		2:9	92:4	92:10
89:1	89:2	95:22	96:15	104:2	becoming[1]		111:18			92:16	92:17			
108:23					begin[2]		13:13	13:14		boom[5]	59:21	109:22	110:22	116:23
arguing[2]	33:19	78:3			begin[3]	12:13	13:23	16:12		116:23				
arises[1]	112:23				beginning[1]		82:13			bother[1]		115:20		
artery[3]	38:1	38:6	43:25		begins[1]		14:4			boundaries[1]		15:1		
arthritis[1]	22:14				behalf[2]		2:2	2:8		boundary[1]		24:18		
artist[1]	89:16				behavior[1]		99:20			break[2]	10:9	104:10		
artwork[1]	89:6				behind[2]		114:4	114:8		brief[1]	104:12			
asleep[12]	97:18	98:2	102:18		Bellamy[4]		28:17	30:4	57:16	bring[1]	13:5			
102:18	102:19	103:4	103:6	103:8	86:24					Bringing[1]		88:4		
103:11	103:12	103:20	103:25		below[3]		14:13	37:14	86:9	broke[1]	111:14			
aspirate[2]	48:16	56:13			bend[5]	80:13	80:18	81:9	81:25	brother[3]		29:11	30:4	30:7
aspirated[2]	61:20	62:3			82:1					brought[1]		76:23		
aspirates[1]	53:23				beneath[1]		14:12			brown[3]		53:16	62:6	75:20
aspiration[2]	52:11	54:15			benefit[2]		97:5	117:8		bucket[1]		97:11		
aspirin[2]	47:3	47:3			bent[1]	81:14				Building[2]		2:10	90:22	
associated[1]	79:16				best[3]	58:6	77:12	118:12		bulging[1]		21:10		
association[1]	38:10				bet[1]	33:16				burn[44]	34:2	34:10	36:5	36:9
assume[1]	50:18				better[2]	97:2	109:13			36:13	36:15	36:18	36:21	36:24
assuming[5]	60:16	87:1	87:5		Betty[4]	28:17	56:25	57:16	86:23	39:20	39:22	40:1	40:21	41:2
87:6	95:4				between[i0]		18:5	37:11	62:14	41:10	41:18	44:18	45:3	45:7
asymptotic[1]	101:22				62:24	63:7	63:12	64:8	91:2	45:10	45:17	46:2	46:12	46:15
attach[1]	15:2				beyond[2]		19:25	36:10		55:9	55:14	58:25	59:9	73:11
attending[1]	7:1									73:12	89:2	94:11	97:15	97:18
attorney[3]	5:8	20:22	119:19							97:23	99:3	99:7	102:13	104:1
attributable[2]	106:18	107:13								108:25	108:25	111:17	112:13	112:14

burns [9] 34:10	34:11	37:16	39:22	circulation[3] 38:3	38:9	38:11	consult [1] 7:2		
41:8 41:12	44:17	44:21	45:17	circumstances[2] 107:19	115:11		consultant [2] 8:7	103:14	
bursts [1] 82:25				Civil [1] 1:17			consultation [1] 104:6		
business [1] 100:1				classic [1] 101:14			contact [3] 74:22	96:9	96:13
bypass [1] 38:1				clean [1] 97:13			contains [2] 50:3	50:5	
calf [8] 23:18	24:5	24:7	24:14	cleaned [1] 20:2			continue [4] 40:23	46:2	46:11
24:16 63:22	108:23	111:19		clear [6] 56:8	56:11	56:13	71:14		
calls [3] 72:8	72:10	72:11		73:4 77:1		69:24	continuing [3] 23:5	25:19	25:20
calves [1] 51:12				clearly [2] 60:5	62:5		continuous [1] 76:10		
cancer [1] 66:24				Cleveland [4] 1:21	2:5	2:11	continuously [3] 99:4	25:17	46:17
candidate [1] 7:12				119:22			contract [2] 14:21	82:14	
cannot [7] 37:3	37:6	38:22		clinic [2] 8:22	8:23		contractures [1] 82:9		
76:11 76:13	82:3	106:25		clinical [7] 6:24	7:22	8:1	control [1] 107:10		
caption [1] 119:16				15:12 63:10	70:7	71:5	contusion [1] 96:18		
car [1] 77:15				close [4] 65:25	115:4	115:23	conversation [1] 71:19		
care [22] 6:14	9:7	9:20	21:20	closed [1] 91:9			convinced [2] 22:24	26:14	
23:20 23:20	30:6	37:21	39:4	Clostridium [1] 16:7			convoluted [2] 29:17	30:3	
39:5 41:17	44:10	44:13	44:19	cloth [2] 95:19	95:19		cool [1] 43:17		
45:16 45:20	46:2	46:12	46:15	clues [1] 15:12			coronary [3] 38:1	38:6	43:25
86:10 100:1	116:15			Codeine [1] 47:5			corporate [1] 20:23		
career [2] 20:15	50:23			collection [1] 65:22			correct [6] 4:16	25:18	27:18
carefully [2] 114:23	116:24			colonization [4] 18:6	18:10	18:11	31:4 79:16	119:13	
carry [1] 109:7				111:20			corrections [1] 118:4		
case [19] 1:8	4:15	5:5	5:9	colonize [1] 116:16			cortisol [1] 107:22		
5:13 10:13	17:2	17:19	31:15	colonized [3] 38:24	115:12	116:14	count [3] 6:2	79:1	106:18
33:13 33:23	36:2	86:21	89:18	color [2] 28:25	29:1		country [1] 8:13		
89:25 90:8	92:17	104:23	105:1	comfortable [1] 55:15			County [2] 1:2	119:2	
cases [14] 4:19	5:4	5:20	6:12	coming [4] 23:5	51:22	56:18	couple [4] 6:8	26:1	60:25
5:14 5:17	5:20	90:24	91:3	64:14			98:25		
8:5 8:10				commencing [1] 1:22			course [3] 74:11	100:1	106:1
91:14				comment [3] 32:15	32:17	96:14	court [3] 1:4	5:25	22:5
caused [2] 75:25	109:2			commissioned [1] 119:5			cover [4] 5:10	7:5	8:12
causes [1] 36:13				common [7] 1:4	11:4	12:19	covered [1] 66:24		18:5
causing [2] 18:13	18:13			26:21 52:20	93:21	94:2	covers [1] 8:8		
cellophane [1] 95:23				commonly [1] 17:8			crannies [1] 112:15		
cellulitis [2] 11:24	117:18			communicated [2] 75:15	75:16		cranny [1] 112:16		
center [2] 1:21	39:22			compare [1] 64:13			create [1] 59:6		
centimeter [1] 34:20				compartment [2] 65:24	67:24		credit [1] 30:6		
centimeters [1] 34:16				complaining [1] 74:15			critique [1] 17:5		
central [2] 13:5	112:13			complained [1] 108:25			CROSS-EXAMINATION [2] 3:4		
certain [5] 6:10	6:13	7:23		complication [1] 111:8			4:9		
21:24 33:9				compromise [1] 38:3			crossed [1] 109:9		
certainly [1] 95:14				computer-aided [1] 119:11			crowd [1] 104:25		
CERTIFICATE [1] 119:1				concerned [3] 26:2	48:14	49:11	crutches [2] 77:21	77:22	
certified [1] 4:8				concerns [3] 27:5	27:5	27:7	crying [1] 30:8		
certify [3] 119:6	119:15	119:18		CONCLUDED [1] 118:19			culture [5] 18:9	52:20	84:17
cetera [2] 8:14	44:8			conclusion [2] 50:9	105:8		84:22 84:24		
chair [2] 7:12	7:18			conclusions [1] 22:2			cultured [3] 12:17	18:7	18:8
chairperson [1] 7:6				condition [3] 72:17	87:2	87:16	cultures [2] 34:4	86:4	
challenged [1] 81:2				conditions [1] 87:13			cup [1] 76:23		
chance [3] 85:10	85:19	86:19		confident [1] 101:8			cure [2] 114:4	114:7	
change [4] 72:16	87:2	87:16		conjure [1] 21:11			Curran [1] 1:8		
118:14				Conomy [1] 104:20			cushions [1] 81:8		
changed [6] 63:13	72:22	76:18		consider [4] 10:13	43:14	43:15	cut [2] 51:17	113:22	
76:23 77:2	116:18			56:20			Cuyahoga [2] 1:2	119:2	
changes [3] 30:14	76:21	118:17		consideration [3] 44:1	74:4		CV [1] 6:16		
chart [3] 46:23	48:7	74:18		considered [5] 13:9	47:23	52:5	cyst [34] 20:9	21:4	21:6
check [1] 49:21				52:7 56:24			23:9 23:22	23:25	24:1
chest [2] 8:2	15:5			consistent [6] 23:6	24:8	25:22	26:14 27:17	38:13	54:14
child [1] 89:6				25:24 25:25	68:9		55:11 55:13	55:21	56:5
choice [3] 7:15	7:16	118:5							60:21
chose [3] 31:13	32:10	32:13							

61:16	61:22	66:22	73:4	73:6	depositions[1]	4:23	doctor[14]	4:11	24:13	28:10
73:25	76:14	76:15	77:25	80:21	dermis[1]	14:12	29:10	33:8	35:12	50:8
81:17	81:20	108:22	109:11	116:18	describe[6]	12:7	83:15	85:12	88:9	91:25
<b>cysts</b> [2]	20:6	51:15			66:2	88:14	109:18			104:15
damages[5]	18:13	93:17	94:11		89:1	46:25	48:22			
94:12	99:1				described[8]	23:7	24:10	33:21	doctor's [2]	13:18
damaged[1]	16:17				38:17	48:22	82:13	89:12	104:19	doctors[4]
dark[1]	33:20				describes[1]	23:3			99:22	32:22
darts[1]	88:22				describing[6]	24:6	25:16	36:7		33:5
data [1]	115:13				63:22	63:23	76:15		document[1]	51:1
date [3]	1:22	58:4	118:24		description[13]	24:8	24:9	33:8	documented[1]	33:13
dated [3]	57:16	58:3	104:7		34:1	34:2	35:21	53:4	doesn't [35]	19:5
dates[1]	57:9				61:21	66:6	74:12	82:11	36:17	36:23
dating [1]	58:11				descriptions[1]	88:15			40:23	40:23
days[17]	25:13	26:10	40:11	49:11	desirable[1]	38:9			61:25	64:1
49:22	64:2	64:7	73:13	82:8	despite[1]	112:7			69:14	69:14
82:10	87:13	97:4	111:25	118:6	destroy[1]	18:20			73:16	76:8
118:8	118:10	118:12			detect[2]	94:11	109:25		101:2	103:8
dead[2]	59:5	85:10			detected [1]	109:24			112:2	112:22
deal [7]	47:20	47:21	64:23	65:9	determine[2]	93:16	105:18		done [13]	13:21
72:20	86:14	116:11			determining[2]	44:2	106:6		31:2	51:15
death[1]	37:2				develop[4]	9:10	9:16	115:10	99:1	100:7
debride[11]	40:6	40:13	40:24		115:17				47:13	47:14
41:4	43:14	43:18	44:2	83:25	developed[7]	9:6	85:5	108:23	75:8	75:8
86:3	114:20	116:10			108:24	111:14	114:24	115:1	doses[1]	47:22
debrided[7]	40:21	41:3	42:12		developing[1]	74:6			double[1]	52:25
42:16	84:16	114:22	116:13		diabetes[8]	11:7	43:13	63:3	doubt [3]	117:8
debridement[4]	41:5	44:7	84:23		93:21	94:3	102:14	107:9	118:11	118:16
115:22					diabetic[18]	11:3	11:4	12:11	8:22	14:23
debridements[1]	114:5				37:24	39:13	43:18	70:9	32:23	33:3
debriding[1]	41:1				93:19	94:5	100:10	100:21	37:12	49:24
decide[1]	84:1				101:9	101:14	102:16	104:15	50:18	51:1
decided[1]	83:25				diabetics[4]	11:9	11:20	17:21	82:19	83:3
decides[1]	84:10				102:5				88:18	96:25
decision[2]	5:12	70:14			diagnosed[2]	80:12	83:7		91:14	91:14
deep [6]	18:18	22:24	65:24	67:24	diagnosis[13]	23:25	27:5	47:25	dozens[4]	5:1
84:24	86:3				50:3	50:6	50:14	50:19	50:11	9:5
deeper[1]	37:1				61:18	66:16	66:21	87:12	27:16	26:3
defend [2]	32:5	85:6			dictated[1]	103:16			29:4	29:12
defendant[1]	5:11				died [1]	85:5			32:1	33:21
Defendants[2]	1:10	2:8			dies [1]	59:1			51:23	53:23
defense[1]	92:18				differ [2]	32:12	34:8		68:11	69:18
define[1]	49:9				difference[3]	18:5	71:24	88:20	83:19	83:25
definites [1]	111:11				different[9]	11:10	27:21	47:17	93:3	93:5
definition[2]	86:6	86:12			53:5	75:13	76:25	87:13	104:20	105:24
degenerative[1]	22:14				111:16				105:24	61:18
degree[5]	36:10	36:15	36:17		differential[10]	47:25	50:3	50:6	draw [7]	22:2
36:25	43:4				50:9	50:14	50:18	50:24	89:13	89:14
department[4]	7:7	7:18	29:6		87:12	87:19			89:15	89:15
30:8					differently [1]	47:13			drawing[4]	58:11
depended[1]	44:9				diffuse[1]	65:23			88:19	87:23
dependent[1]	94:3				direct[1]	96:9			drawings[3]	57:15
depending [5]	10:8	15:10	40:15		disagree[4]	77:5	105:4	105:7	drawn[2]	57:4
52:25	95:13				105:8				dress[3]	39:15
depict[1]	87:22				disciplines[1]	8:11			dressings[2]	115:6
depicted[1]	87:17				discussed[1]	73:3			drew [9]	56:25
depiction[2]	87:7	89:10			disease[17]	6:12	7:2	7:4	88:5	88:13
depo [1]	6:5				7:24	8:4	8:7	8:18	88:25	89:3
deposed[1]	4:8				20:13	20:19	21:1	38:6	42:25	42:25
deposition[18]	1:12	1:15	4:2		44:1	103:14	104:5	112:25	Drive[1]	1:21
5:23	23:1	31:8	31:12	35:8	dispute[2]	30:1	33:4		drop [1]	114:1
35:16	35:23	51:24	70:19	75:17	disputed[2]	60:17	60:19		drops [2]	61:1
76:24	83:22	117:9	117:25	118:19	distances[1]	15:1			drowsy [1]	102:19
					dizziness[1]	117:19			drug [1]	73:24
					dizzy [1]	72:15			dry [2]	115:6
									due [1]	11:7
									dull[1]	104:18
									duly [3]	4:7
									dumb [1]	56:3
									duty [2]	29:14
										34:4

dying[4] 29:11	30:5	30:7	86:20	expensive[1]	26:16	64:24	78:11	79:8	79:14	80:13
early[4] 25:24	96:20	96:23	110:19	experience[4]	14:3	32:22	51:3	82:20	83:7	108:17
edema[1]	64:22			55:4				109:21	109:23	110:3
effect[3]	30:3	30:21	69:12	experiences[1]	47:12			111:7	111:15	113:17
effective[2]	19:16	19:23		experimentation[1]	98:11			114:24	115:2	115:18
either[6] 9:13	13:9	40:18	42:24	expert[5]	4:15	4:18	6:14	fashion[1]	16:2	
115:5	119:19			20:13	92:18			fast[3]	15:19	15:20
elbow[1]	97:11			explain[2]	57:18	73:7		fat[4]	37:15	37:21
electric[2]	93:11	94:7		explained[1]	76:11			feeling[1]		106:15
elementary[1]	89:7			explains[2]	54:11	73:5		feels[2]	59:3	72:15
elevate[1]	46:13			explanation[2]	57:3	58:6		feet[2]	101:17	105:15
elevated[1]	79:12			exposed[2]	34:12	36:11		fell[8]	42:4	97:17
emergency[7]	15:13	29:15	30:7	extend[5]	15:1	15:17	16:16	102:19	103:4	103:8
30:12	60:1	70:6	71:4	82:12	114:21			felt[3]	11:7	59:3
employee[1]	119:19			extended[3]	19:25	72:13	109:2	fever[13]		72:10
end[6] 18:9	36:18	46:20	57:19	extending[1]	117:18			78:12	78:17	78:18
67:17	91:11			extends[6]	16:16	16:19	36:9	79:4	79:11	117:16
enter[1] 70:13				110:22	111:2	111:21		few[3]	6:25	7:1
entire[2] 8:8	101:25			extension[3]	15:23	18:15	39:17	fight[1]	11:8	
entry[5] 115:3	115:11	116:13	116:17	extensive[2]	113:23	114:2		finally[2]		112:19
116:19				extent[3]	41:18	101:4	111:8	finding[1]		68:12
enzymes[2]	18:21	18:23		extra[1] 48:20				findings[1]		31:6
equal[1] 94:6				extrapolate[1]	105:13			finds[1]	53:23	
equals[1]	78:4			extreme[5]	26:21	107:12	109:7	fine[15]	22:9	27:8
equivalent[1]	47:7			113:19	113:21			34:17	34:19	56:19
era[1] 113:16				extremely[3]	52:17	98:22	107:7	61:24	69:9	73:16
escaped[1]	113:2			extremities[6]	10:24	11:3	11:15	103:2		
eschar[10]	32:18	42:20	57:5	11:16	11:23	38:8		finger[3]		114:12
57:24	58:10	58:21	58:25	extremity[4]	13:23	14:9	14:10	firm[4]	2:4	91:4
112:14	116:10			101:25				first[20]	4:7	9:16
ESQ[3] 2:3	2:3	2:9		eyes[4]	15:17	16:2	16:4	27:21	30:21	36:10
et[4] 1:6	1:9	8:14	44:8	face[1]	95:19			44:18	55:6	57:6
evening[1]	79:3			facilitate[1]	40:25			85:22	90:8	91:1
event[2] 23:17	119:20			fact[12]	11:7	26:3	28:6	119:7		
events[1]	58:16			43:13	43:25	60:18	63:3	five[2]	5:24	101:7
everybody[3]	22:24	47:12	99:11	81:16	82:2	106:17		fixed[1]	99:3	
evidence[12]	29:19	29:20	29:22	factor[1]	44:1			flesh-eating[2]	13:9	18:21
30:18	42:15	60:17	70:17	factored[1]	72:21			flex[3]	79:23	80:4
100:12	117:1	117:4	117:5	factors[5]	43:15	70:13	72:6	flexed[5]		80:5
evolve[2]	23:21	23:22		72:22	112:7			82:8	82:10	80:24
exact[1] 112:10				facts[5]	28:11	29:19	30:18	floored[1]		114:2
exactly[5]	17:19	17:23	42:22	97:14				fluctuance[8]	38:15	48:22
55:25	73:1			Fahrenheit[1]	78:19			54:3	56:12	60:21
examination[2]	1:16	4:6		failure[1]	75:24			fluid[11]	36:12	36:12
examined[1]	105:5			fair[2]	34:17	51:20		56:13	56:13	65:22
examining[1]	105:2			fairly[2]	12:19	96:12		81:9	82:25	80:22
example[5]	18:7	85:8	97:10	fall[2]	40:23	96:25		fluids[1]		96:22
100:23	103:13			fallen[1]		103:25		focal[3]	24:3	24:4
examples[1]	111:4			falling[3]		103:6	103:10	focus[2]	6:16	96:16
except[4]	8:11	8:12	76:2	falls[3]	40:19	42:25	114:14	focused[1]		57:10
113:12				family[9]		28:1	28:9	follow[3]		40:22
exchange[1]	72:23			33:2	70:5	71:3	72:8	follow-up[5]		39:4
exclude[1]	64:6			72:11				103:22	103:24	39:5
excruciating[3]	28:7	80:16	82:2	famous[1]	112:5			followed[1]		114:23
Excruciatingly[1]		22:18		far[5]	11:4	101:1	101:19	follows[1]		4:8
exercise[1]	17:20			105:21				foot[3]	92:22	98:3
Exhibits[2]	3:10	4:1		fascia[7]	14:15	14:20	14:23	forearm[1]		41:24
exited[1]	83:19			16:22	37:9	37:16	114:9	foregoing[2]		119:12
Exotic[2]	13:6	13:7		fascial[8]	14:8	14:25	15:3	forget[2]		99:13
expect[7]	26:13	29:24	45:2	64:22	109:2	111:2	111:22	forgot[4]		51:19
56:13	59:21	68:5	75:14	fascitis[37]	9:2	9:11	9:24	99:15		61:12
expected[1]	36:14			10:20	10:23	11:2	12:6	form[1]	32:11	
				13:22	14:7	15:12	16:12	formed[1]		58:24

Forms[1]	24:18				half[4]	79:3	91:13	95:15	96:6	hot[~]	95:17	95:20	98:3	98:4
forth[2]	1:23	82:25			halfway[1]		82:18			98:4	98:22			
found[6]	17:8	17:10	17:11		hand[5]	35:12	36:18	42:5	89:8	hour[2]	95:15	96:6		
54:16	103:24	105:15			119:21					hours[16]		9:15	13:14	14:1
four[9]	6:9	27:22	46:21	47:9	handed[1]		57:15			14:4	15:24	23:14	23:14	46:21
68:1	68:2	68:10	115:24	116:2	handing[1]		27:15			47:9	59:22	78:24	88:20	97:12
free[2]	68:21	74:13			hands[3]		63:20	64:19	101:18	98:25	110:8	111:24		
frequently[2]	37:1	110:9			happening[2]		9:20	75:15		huge[1]	64:12			
Friday[8]	60:1	74:9	74:14		happy[2]		31:1	98:9		human[2]		98:13	99:20	
75:6	75:8	75:9	111:16	112:1	hard[5]	42:20	42:22	59:1	59:4	hurt[1]	96:25			
frog[4]	98:7	98:8	98:15	99:9	11:9					hurts[1]	75:9			
front[4]	15:17	16:1	88:13	110:7	hazy[1]	67:22				Hutt[3]	103:16	104:3	104:5	
fry[1]	98:10				head[4]	15:6	22:4	22:8	45:23	hypothetical[6]	30:22	30:23	69:21	
frying[8]		98:3	98:4	98:4	heal[4]	40:16	40:17	43:1	43:21	69:23	70:1	89:11		
98:7	98:8	98:21	98:24	99:9	healing[2]		40:25	44:7		iatrogenic[1]		12:24		
full[9]	4:11	36:25	38:19	60:22	heals[1]	40:20				ice[6]	25:13	96:19	96:20	97:2
61:2	61:9	61:11	108:25	111:17	health[3]		6:22	43:11	100:1	97:5	97:11			
fully[3]	44:21	44:24	45:3		healthy[2]		94:8	114:19		ID[1]	8:12			
fuzzy[1]	58:9				hear[4]	13:10	31:25	78:3	99:12	idea[3]	87:21	91:10	97:13	
gain[1]	51:3				heard[7]	25:4	71:16	71:18	94:19	identification[1]			4:3	
gains[1]	15:9				99:25	100:2	104:19			ignore[6]		32:9	32:10	33:11
game[2]	76:25	77:1			hearing[1]		72:23			33:12	68:21	68:22		
garbage[1]		67:4			heat[15]	25:14	95:5	95:8	95:10	ignoring[2]		32:12	32:13	
gauze[3]	39:2	46:13	115:25		95:16	95:24	96:19	96:21	97:7	ill[2]	18:11	18:11		
geez[1]	49:20				97:13	98:9	98:18	99:3	103:5	image[2]		55:19	61:25	
general[8]		7:1	11:9	44:22	103:18					imagination[1]		101:22		
45:8	45:11	45:15	84:24	86:4	heating[21]		57:6	59:10	93:8	imagine[2]		42:21	86:17	
generally[3]	12:24	95:9	96:13		93:12	93:17	94:7	94:17	94:23	immediately[2]	28:10	110:4		
generate[1]	50:24				95:2	95:4	96:2	96:8	96:10	immune[1]		11:8		
genitourinary[1]		17:11			97:15	97:23	99:7	101:9	101:13	imperceptible[1]		98:20		
germs[3]	113:2	113:9	116:13		102:13	103:4	103:25			implies[1]		37:1		
GI[3]	17:9	17:9	17:10		held[1]	81:11				imply[1]		106:14		
given[14]	5:22	21:21	39:12		hell[1]	75:10				impossible[2]	62:25	63:2		
39:15	44:14	45:21	45:25	73:24	help[3]	19:20	88:10	97:3		impressed[1]	66:10			
77:20	86:18	95:6	105:24	119:10	hematogenous[1]			10:4		impression[8]	27:17	27:20	31:3	
119:13					hematoma[10]	16:17	16:18	27:8		50:2	65:25	66:1	66:3	74:10
giving[4]		47:22	71:10	85:7	53:5	55:21	61:22	80:21	111:19	impressions[2]	27:21	60:12		
85:19					111:22	111:22				inability[2]	79:23	80:4		
glistening[1]	114:19				hereby[1]		119:5			Inadequate[1]	73:21			
glove[1]	101:15				hereinafter[1]		4:8			INC[1]	1:9			
glucose[3]	107:23	107:23	108:1		hereunto[1]		119:21			inch[2]	34:18	34:18		
goes[11]	16:18	16:19	49:24	61:20	high[3]	106:17	107:12	107:15		inches[1]		40:13		
97:3	97:11	110:2	110:23	114:3	himself[2]		23:3	49:2		include[3]	87:11	87:18	108:6	
114:14	116:23				hip[1]	102:1				included[2]	47:24	108:25		
good[12]	18:4	33:16	35:14	50:13	history[1]		33:2			includes[2]	27:21	49:14		
52:18	57:12	58:12	58:13	59:2	HMO[1]	50:11				increase[4]	73:19	98:8	101:2	
89:16	96:7	97:10			Hoffmaster[3]	1:18	119:3	119:24		104:16				
gradually[7]	98:8	98:18	98:19		hold[3]	85:15	85:16	95:23		increased[3]	28:3	46:17	103:5	
99:2	101:2	101:3	104:16		holding[1]		80:24			increasing[13]	25:17	28:2	73:12	
graft[1]	43:5				hole[4]	42:17	42:18	42:19	42:19	73:18	74:19	74:23	75:24	76:9
grafted[1]	41:21				home[11]		13:19	17:4	40:2	76:9	83:6	83:11	98:18	98:19
great[2]	53:21	53:21			41:17	44:10	44:13	44:17	45:16	INDEX[1]		3:1		
grill[2]	41:25	42:3			45:20	89:6	95:18			indicate[4]	38:8	52:4	87:3	
Group[12]	12:18	12:22	12:24		honest[3]		21:16	57:8	88:12	107:9				
13:2	16:5	16:6	16:7		hormones[2]		107:20	107:21		indicates[2]	7:6	65:3		
17:2	17:8	17:23	19:14	52:22	hospital[11]		4:14	7:24	8:8	indicating[3]	40:18	42:23	57:9	
grow[6]	18:8	18:9	52:18		8:11	12:25	22:23	78:17	78:23	indication[1]		102:15		
52:24	53:1				86:3	113:5	115:5			indolent[2]	59:16	59:18		
grows[2]	42:25	64:10			hospitalization[2]			79:5	103:15	infected[14]	53:3	53:4	54:19	
guess[3]	23:13	57:4	59:7		hospitalized[2]	41:13	41:15			62:15	63:1	63:12	64:4	64:10
guy[2]	37:20	91:23			Hospitals[1]	69:12				67:25	75:19	109:1	110:22	113:22
gynecology[1]	8:13				host[1]	41:16				114:9				
hair[2]	91:23	92:5												



Index Page 7

24:12	24:24	48:23	64:17	67:21	78:23	87:22	88:6	98:12	99:10	mother[2]	106:23	106:25	
97:9					99:24	109:15	113:25	115:4	115:23	motion[4]	76:20	77:24	78:1
looks [7]	6:16	40:9	61:15	61:20	medically[6]	52:15	62:18	62:25		82:12			
62:5	89:5	114:19			76:13	109:14	110:24			mouth[1]	71:12		
Lorecet[4]		46:14	46:21	46:25	medication[9]	47:1	47:2	47:20		move[1]	41:1		
47:10					70:5	71:2	72:20	74:3	74:8	moves[1]	15:25		
lose [1]	36:13				74:25					moving [1]	15:20		
loss [2]	33:10	106:7			medications[4]	28:2	46:17	47:21		MRI [43]	26:3	26:7	26:8
loud [2]	30:8	35:18			102:19					26:23	27:4	27:11	27:15
loves[1]	52:18				medicine [4]	7:7	8:13	20:12		27:20	28:10	29:9	29:13
low [1]	96:5				99:19					39:11	49:9	49:13	49:21
lower[12]		10:23	11:3	11:15	medium [1]	52:18				60:10	60:11	61:17	61:17
11:16	11:23	13:23	14:10	17:9	Mednet[5]	1:9	4:16	69:6		62:5	62:7	62:8	62:12
17:10	17:14	17:15	38:8		69:13	69:16				63:25	64:2	64:11	64:14
M [4]	1:18	2:9	119:3	119:24	meet[1]	112:4				65:2	68:12	69:19	70:3
M-hm [15]		9:19	21:19	23:8	members[1]	91:3				72:25	72:25	73:16	74:20
25:3	43:8	44:12	44:25	58:8	memory [3]	33:16	58:12	88:20		Ms [60]	3:17	22:3	28:12
69:7	83:12	92:6	92:9	92:14	mental [1]	61:13				29:18	30:4	30:17	31:5
105:6	111:3				mentioned[2]	91:22	103:9			34:25	35:4	35:9	52:6
M.D [5]	1:12	1:15	4:5	118:24	mess [1]	55:9				57:20	60:14	60:25	62:21
119:7					mct [1]	88:22				67:6	67:10	67:14	69:5
major [1]		10:1			Metro [1]	4:14				69:21	70:11	70:15	71:13
mal [2]	20:23	20:25			MetroHealth[2]	1:20	1:21			71:23	72:4	74:16	74:20
malpractice[10]	4:19	84:11	84:18		microbes[1]	18:19				83:8	83:13	84:7	85:1
84:22	84:25	85:13	86:2	86:7	microbiology[1]		52:19			86:11	87:9	87:14	87:20
86:13	86:18				middle[1]	57:1				88:4	89:19	90:10	90:13
man [5]	27:3	48:23	49:25	60:20	might [7]	33:18	40:21	57:23	90:21	92:9	92:24	98:13	99:18
85:5					92:22	95:22	97:7			108:19	114:25	116:4	117:24
manage [5]		44:21	44:24	45:3	milieu [1]	55:12				118:16			118:10
72:19	73:24				mimics [1]	76:16				multiple[1]	52:24		
managed [5]		20:8	21:3	21:6	mind [5]	6:10	15:8	56:1	60:21	multiply [1]	53:22		
73:23	74:8				64:18					Murphy [2]	2:9	91:23	
management [3]	27:2	73:21	75:21		mine [1]	20:25				muscle [4]	14:14	14:14	16:17
managing [1]	30:9				minimize [1]	96:20				23:4			
manifestation [1]		107:21			Minuscule [1]	7:22				muscles [3]	14:20	14:22	114:10
manifests [1]	14:5				minute [2]	5:2	104:10			must [1]	83:19		
March [1]	7:5				minutes [3]	53:1	110:7	118:14		myth [2]	98:12	98:14	
MARIANNE [1]		2:3			misinterpreting [1]		61:9			nagging [1]	54:12		
marked [2]	3:10	4:3			misread [1]	74:12				name [4]	4:11	92:19	92:20
marks [1]	18:14				misrepresent [1]	71:14				named [1]	92:22		104:21
massive [2]	82:18	114:5			misrepresentation [1]		70:16			names [1]	91:20		
material [1]	23:23				misrepresenting [1]		61:1			nasty [1]	75:20		
matter [3]	37:20	67:9	118:6		missed [1]	112:16				nature [5]	17:3	20:3	34:11
may [13]	10:8	10:12	40:24	50:7	mistaken [1]	35:5				112:18	112:23		
58:12	58:20	58:21	73:9	74:11	mix [2]	15:10	60:12			necessarily [6]	50:4	73:19	77:5
96:12	99:4	101:3	101:11		mixed [2]	16:8	17:21			94:9	94:10	102:20	
Maynard [2]		90:20			modern [1]	112:24				necessary [1]	47:9		
mean [22]		9:8	10:16	13:6	moist [3]	95:10	95:16	96:16		necrosis [16]	16:20	18:25	34:14
23:16	24:21	28:15	44:24	47:15	moisture [1]	95:24				34:21	35:1	37:2	37:8
51:10	51:17	53:11	54:3	66:13	moment [1]	62:11				57:5	62:18	63:4	70:9
73:22	80:3	83:18	86:6	86:16	Monday [8]	13:16	30:15	59:22		89:1	109:1	111:18	71:8
95:16	96:23	98:2	107:1		60:15	62:5	62:9	83:16	117:7	necrotic [2]	37:3	37:6	
meaning [4]	18:19	90:15	101:16		monitored [2]	116:24	117:4			necrotizing [37]	9:2	9:11	9:24
101:17					monofilament [1]		105:16			10:20	10:23	11:2	12:6
means [5]	27:6	27:8	36:11		month [2]	8:8	8:10			13:22	14:7	15:12	16:12
52:15	107:23				months [4]	6:25	7:1	58:5		64:24	78:11	79:8	79:14
measure [2]	65:16	65:20			88:5					82:20	83:7	108:17	109:3
measurement [2]	66:8	66:9			mop [1]	114:6				109:21	109:23	110:3	110:12
mechanisms [1]	110:20				morning [6]	13:16	59:23	64:8		111:7	111:15	113:17	114:1
med [1]	20:25				75:19	79:9	117:7			114:24	115:2	115:18	116:1
media [1]	52:20				most [8]	6:21	11:4	18:24	19:1	need [25]	14:6	14:24	16:13
medial [2]	25:2	25:5			52:20	68:9	68:10	81:13		16:25	18:23	30:5	32:18
medical [18]	1:20	4:18	7:2							41:12	41:15	41:20	41:20
20:23	30:6	32:20	50:13	50:15						43:5	51:2	56:1	62:4
										85:9	86:19	88:10	93:13
										113:14			106:10
										needed [6]	27:6	29:23	30:9

42:15	60:5	60:5			<b>number</b> [6]	4:24	66:3	80:21	68:14	75:21	83:15	108:3	
<b>needle</b> [9]		48:6	48:25	49:4	115:22	115:23	115:23		<b>opinions</b> [3]		33:23	68:20	100:9
49:20	49:22	55:7	60:23	63:25	<b>numbered</b> [1]	103:23			<b>opposed</b> [4]		10:9	11:19	54:4
76:16					<b>Numbness</b> [1]	100:24			115:24				
<b>needles</b> [1]		51:11			<b>nurses</b> [1]	115:5			<b>oral</b> [1]	116:16			
<b>needs</b> [2]	55:18	84:15			<b>o'clock</b> [1]	104:7			<b>order</b> [1]	40:25			
<b>Neosporin</b> [14]	19:2	19:5	19:11		<b>oath</b> [2]	33:9	33:22		<b>ordered</b> [1]	60:10			
19:24	20:1	20:1	39:2	39:15	<b>OB</b> [1]	8:13			<b>organism</b> [8]	11:18	12:25	15:9	
40:4	45:25	46:12	112:1	112:12	<b>object</b> [2]	70:18	85:2		16:3	17:20	18:12	52:25	112:17
115:25					<b>objecting</b> [3]	70:15	71:11	88:1	<b>organisms</b> [6]	10:11	12:16	15:9	
<b>nerve</b> [7]	93:17	94:8	94:11	94:12	<b>Objection</b> [21]	28:12	29:16	30:17	17:16	111:21	112:18		
97:24	99:6	106:7			31:5	34:22	52:6	57:20	94:25		21:15	26:13	45:2
<b>Neurologist</b> [1]	104:22				69:10	70:11	72:4	74:16	<b>orthopedic</b> [4]				
<b>neuropathies</b> [1]	101:25				85:1	86:5	87:9	87:14	<b>orthopedically</b> [1]		20:8		
<b>neuropathy</b> [19]	93:19	94:5	100:10		108:19	114:25	116:4		<b>orthopedics</b> [2]	26:25	45:9		
100:21	101:4	101:4	101:7	101:9	<b>OBJECTIONS</b> [1]		3:16		<b>otherwise</b> [1]	119:19			
101:14	101:15	102:16	102:21	102:22	<b>observed</b> [1]	11:1			<b>outcome</b> [2]	86:14	86:17		
102:23	104:16	105:3	105:9	105:19	<b>obvious</b> [5]	53:6	53:6	53:9	<b>outer</b> [3]	24:7	24:14	24:16	
105:20					76:21	94:14			<b>outpatient</b> [2]	9:12	9:14		
<b>neurosurgery</b> [1]		8:14			<b>obviously</b> [3]	19:2	61:10	93:19	<b>outside</b> [1]	40:19			
<b>neutral</b> [2]	81:11	81:12			<b>occasionally</b> [1]	17:9			<b>overdose</b> [1]	47:23			
<b>never</b> [20]	9:14	19:13	21:16		<b>occur</b> [3]	10:1	14:2	17:13	<b>overdoses</b> [1]	47:22			
51:16	73:23	73:23	78:10	97:19	<b>occurred</b> [1]	31:16			<b>overlying</b> [2]	14:23	55:9		
98:17	103:17	104:19	109:23	110:16	<b>occurring</b> [1]	11:14			<b>own</b> [4]	32:11	106:23	106:25	117:6
110:18	110:18	110:19	110:19	111:11	<b>October</b> [23]	12:17	24:12	26:8	<b>P</b> [1]	1:8			
111:12	111:13				26:9	27:15	27:16	33:22	<b>p.m</b> [1]	1:22			
<b>new</b> [2]	61:23	90:15			35:12	35:21	52:8	56:23	<b>pad</b> [21]	57:7	59:10	93:17	94:7
<b>news</b> [1]	13:10				86:24	86:24	100:11	101:7	94:17	94:23	95:2	95:4	95:11
<b>newspaper</b> [1]	113:1				104:6	105:9	106:7	107:4	96:2	96:8	96:9	96:10	97:16
<b>next</b> [4]	29:11	51:2	55:18	97:12	<b>off</b> [19]	16:19	30:23	40:19	97:23	99:7	101:10	101:13	102:13
<b>nice</b> [2]	88:17	88:23			40:24	42:24	42:25	43:1	103:4	103:25			
<b>nicely</b> [1]	58:21				43:3	51:18	64:14	96:1	<b>pads</b> [2]	93:8	93:12		
<b>non</b> [2]	87:22	94:2			110:23	111:23	112:21	116:10	<b>page</b> [2]	33:3	35:15		
<b>none</b> [1]	115:13				<b>offered</b> [1]	4:15			<b>pages</b> [2]	3:3	103:23		
<b>nonetheless</b> [1]	26:15				<b>office</b> [7]	13:18	29:4	71:22	<b>pain</b> [74]	22:22	23:7	23:18	23:20
<b>nooks</b> [1]	112:15				76:17	84:5	84:7	119:22	24:6	24:14	25:16	26:9	28:2
<b>noon</b> [1]	104:8				<b>offices</b> [1]	90:19			28:4	28:7	46:17	47:1	47:2
<b>normal</b> [6]	14:18	18:10	25:22		<b>often</b> [10]	6:13	11:20	12:11	47:11	47:12	47:14	47:17	47:18
97:24	105:15	110:3			12:13	17:20	25:9	39:8	47:19	47:20	47:21	48:23	55:13
<b>normally</b> [2]	73:13	114:11			111:7	111:13			70:4	70:4	71:1	71:2	72:18
<b>North</b> [1]	90:22				<b>Ohio</b> [8]	1:1	1:17	1:20	72:18	72:18	72:19	72:20	72:20
<b>nose</b> [1]	18:9				119:1	119:5	119:22	119:25	73:12	73:18	73:19	73:21	73:25
<b>Notary</b> [4]		1:19	70:24	119:4	<b>older</b> [3]	11:2	12:9	21:24	74:8	74:11	74:13	74:15	74:18
119:24					<b>once</b> [4]	15:8	18:14	21:5	74:23	74:25	75:13	75:21	75:23
<b>note</b> [4]	78:16	103:14	103:16	104:7	<b>one</b> [37]	9:13	10:1	10:1	75:24	76:9	76:9	76:18	77:2
<b>notes</b> [6]	24:12	28:8	31:22	35:13	17:19	21:9	21:16	21:22	77:4	77:6	77:25	78:4	78:5
45:24	46:9				27:21	27:24	42:1	43:15	78:8	78:8	79:16	80:16	82:2
<b>nothing</b> [10]	15:10	15:22	27:3		57:13	68:2	68:3	68:4	82:23	82:23	82:24	83:6	83:10
75:18	76:17	81:15	94:21	103:6	73:19	78:25	78:25	79:1	98:20	100:24	102:19	117:10	117:14
103:10	119:8				83:1	86:24	91:1	91:1	<b>painful</b> [7]		22:18	36:17	47:11
<b>notice</b> [2]		1:20	98:25		95:6	99:12	99:12	103:13	81:13	82:15	82:21	101:24	
<b>noticed</b> [1]	13:19				105:17	110:20	110:21		<b>pair</b> [1]	104:17			
<b>notion</b> [3]	67:18	98:17	114:3		<b>one-fifth</b> [1]	33:3			<b>palpable</b> [5]		38:15	54:3	56:12
<b>November</b> [1]	103:15				<b>ones</b> [1]	96:11			61:5	65:3			
<b>now</b> [35]	11:21	14:9	14:24	15:8	<b>ongoing</b> [2]	9:10	74:11		<b>pan</b> [8]	98:3	98:4	98:4	98:7
18:6	18:14	26:8	26:10	27:20	<b>open</b> [13]	12:13	33:22	34:9	98:8	98:21	98:24	99:9	
33:14	36:25	37:8	40:21	46:3	36:19	39:13	59:21	62:17	<b>panty hose</b> [1]	104:18			
49:1	49:23	61:16	62:4	62:14	110:21	114:20	115:3	116:13	<b>paper</b> [1]	103:10			
64:12	70:20	70:22	72:13	74:9	<b>opening</b> [2]	10:2	10:17		<b>pared</b> [1]	42:21			
75:5	77:15	82:9	85:11	93:11	<b>operate</b> [1]	10:21			<b>parents</b> [1]	9:6			
97:6	97:7	107:9	111:12	111:22	<b>operating</b> [4]	15:18	114:3	114:7	<b>part</b> [7]	24:18	24:20	43:6	81:17
112:2					114:17				88:17	96:16	116:24		
<b>noxious</b> [1]	98:19				<b>opinion</b> [17]	31:24	32:11	32:18	<b>particular</b> [3]	22:7	22:12	73:20	
<b>numb</b> [1]	105:21				34:6	34:8	34:13	36:1	<b>partner's</b> [1]	47:18			
					52:4	58:7	59:25	60:2					

<b>party</b> [1] 119:19					<b>pitching</b> [1] 97:11				<b>preps</b> [1] 48:24			
<b>passed</b> [1] 64:8					<b>place</b> [4] 2:4 32:7 53:21 119:16				<b>prescribe</b> [4] 93:8 93:11 96:8			
<b>Pat</b> [1] 91:23					<b>plain</b> [1] 14:8				96:19			
<b>pathology</b> [15] 27:6 49:8 54:13					<b>plaintiff</b> [1] 6:1				<b>prescribed</b> [2] 84:24 95:12			
54:23 55:20 55:23 56:17 61:23					<b>Plaintiff's</b> [2] 3:10 4:1				<b>prescribing</b> [4] 93:17 95:4 101:9			
67:21 68:8 76:16 77:23 81:15					<b>Plaintiffs</b> [4] 1:7 1:16 2:2				101:13			
88:14 116:18					4:6				<b>prescription</b> [1] 47:10			
<b>paths</b> [1] 17:16					<b>plaintiffs'</b> [2] 5:14 5:18				<b>present</b> [3] 9:15 13:23 78:11			
<b>patient</b> [30] 9:16 11:1 15:18					<b>plane</b> [10] 14:18 15:21 15:22				<b>presentation</b> [3] 13:15 13:16 79:9			
21:12 21:24 21:25 21:25 25:12					16:14 16:15 16:19 109:2 110:22				<b>presents</b> [1] 101:17			
28:1 29:14 29:23 29:24 31:21					111:2 114:19				<b>pressure</b> [3] 81:10 81:13 117:19			
39:25 43:7 43:15 47:11 63:21					<b>planes</b> [6] 14:25 15:3 15:6				<b>pretty</b> [7] 37:20 46:25 56:11			
68:24 70:4 71:1 73:25 85:18					64:22 111:22 111:24				79:22 94:14 96:7 101:1			
94:16 96:18 101:24 102:12 103:17					<b>playing</b> [1] 77:1				<b>prevent</b> [3] 19:2 109:15 115:13			
108:13 110:8					<b>PLEAS</b> [1] 1:4				<b>prevented</b> [5] 109:4 109:8 109:20			
<b>patient's</b> [4] 28:1 70:5 71:2					<b>pneumonia</b> [2] 11:22 13:3				109:24 116:2			
95:18					<b>podiatrist</b> [1] 92:22				<b>preventing</b> [1] 110:9			
<b>patients</b> [28] 7:23 8:15 8:22					<b>point</b> [29] 15:20 18:12 21:13				<b>primarily</b> [2] 6:17 8:15			
8:24 8:25 10:19 10:22 12:5					23:19 25:25 40:18 41:15 42:23				<b>private</b> [3] 7:3 8:18 8:24			
22:11 23:7 23:17 25:9 33:2					53:18 55:6 58:25 69:25 72:24				<b>probabilities</b> [1] 115:15			
36:13 39:16 41:7 44:16 45:12					72:24 90:22 95:3 95:6 97:4				<b>probable</b> [6] 23:25 27:17 115:7			
45:16 50:11 93:9 93:12 93:16					98:21 102:23 104:16 105:20 109:1				115:16 115:17 116:1			
94:22 97:19 100:20 101:21 102:9					110:22 111:20 114:23 115:3 116:13				<b>problem</b> [9] 9:21 26:22 38:11			
<b>pediatric</b> [1] 8:12					116:19				47:16 49:3 58:14 76:22 77:7			
<b>pediatrics</b> [2] 8:11 8:12					<b>points</b> [1] 116:17				112:24			
<b>pending</b> [1] 62:22					<b>poking</b> [3] 106:1 106:11 106:13				<b>problems</b> [4] 8:20 77:24 94:12			
<b>penicillin</b> [1] 86:20					<b>Polito</b> [5] 2:9 92:2 92:16				117:20			
<b>people</b> [10] 8:19 22:15 31:18					92:20 92:23				<b>Procedure</b> [1] 1:17			
41:12 93:21 94:2 96:8 96:21					<b>polymicrobial</b> [2] 11:12 11:20				<b>process</b> [6] 18:15 50:1 56:2			
98:16 113:16					<b>popliteal</b> [28] 20:5 20:9 21:4				76:1 87:12 119:11			
<b>people's</b> [1] 51:12					21:6 21:14 23:9 23:24 24:1				<b>produce</b> [1] 18:19			
<b>per</b> [1] 92:17					24:16 24:25 25:23 26:14 27:17				<b>producing</b> [1] 18:21			
<b>perceived</b> [1] 72:12					38:13 51:15 56:5 73:4 73:6				<b>Professional</b> [2] 1:18 119:3			
<b>perceives</b> [1] 47:14					73:25 76:14 76:15 77:25 80:21				<b>progressed</b> [1] 40:10			
<b>percent</b> [3] 37:23 41:19 41:22					81:16 81:19 104:1 108:23 109:11				<b>progresses</b> [2] 101:20 110:25			
<b>percentage</b> [1] 5:17					<b>population</b> [1] 11:19				<b>progressing</b> [2] 40:9 101:3			
<b>perception</b> [2] 47:17 77:6					<b>Posch</b> [25] 26:3 27:16 29:12				<b>progression</b> [1] 15:14			
<b>perfectly</b> [3] 58:17 58:17 110:2					30:9 30:10 31:3 32:1 33:21				<b>prominent</b> [1] 23:18			
<b>perform</b> [1] 34:4					35:13 47:24 51:23 53:23 60:9				<b>prompt</b> [1] 102:20			
<b>perhaps</b> [2] 27:9 27:10					62:12 63:11 68:11 69:18 71:20				<b>prophylactic</b> [3] 115:7 115:9 115:12			
<b>perineum</b> [1] 16:9					72:25 82:1 83:19 83:25 86:10				<b>prophylaxis</b> [1] 112:22			
<b>period</b> [4] 23:22 64:25 95:25					93:1 105:24				<b>providing</b> [1] 104:5			
112:7					<b>Posch's</b> [2] 29:4 35:16				<b>public</b> [4] 1:19 6:22 119:4			
<b>permanent</b> [1] 7:12					<b>position</b> [3] 80:25 81:12 81:12				119:24			
<b>person</b> [11] 8:12 11:2 11:5					<b>possibilities</b> [1] 27:22				<b>publications</b> [1] 6:21			
12:7 12:9 48:21 87:22 88:23					<b>possibility</b> [5] 27:11 30:24 48:1				<b>Pull</b> [1] 78:15			
94:10 98:14 112:4					49:14 64:6				<b>pulls</b> [1] 60:23			
<b>personal</b> [1] 13:21					<b>possible</b> [13] 55:25 62:18 64:17				<b>pursuant</b> [1] 1:20			
<b>personally</b> [3] 15:25 41:3 41:4					74:7 82:5 82:6 90:23 106:20				<b>pus</b> [8] 49:5 53:3 54:4 54:6			
<b>perspective</b> [1] 75:17					106:22 107:2 107:3 109:14 109:14				54:17 61:21 62:6 72:9			
<b>phenomenon</b> [3] 15:8 15:20 113:3					<b>possibly</b> [3] 57:25 102:15 106:18				<b>put</b> [25] 15:18 19:20 36:19 47:16			
<b>phone</b> [1] 69:4					<b>posterior</b> [3] 24:11 65:24 67:24				52:23 52:23 53:18 59:2 59:25			
<b>physician</b> [3] 29:25 30:10 88:16					<b>potential</b> [3] 14:19 111:18 114:11				63:20 76:16 86:2 95:8 95:10			
<b>physiologically</b> [1] 112:11					<b>practice</b> [13] 6:24 7:3 8:1				95:11 95:19 95:22 95:23 95:24			
<b>pick</b> [5] 68:3 68:4 68:5 104:25					8:4 8:18 20:17 45:15 50:13				98:2 98:8 98:22 112:10 114:14			
113:1					50:15 51:5 69:8 69:16 99:23				118:1			
<b>picture</b> [7] 58:22 59:8 63:10					<b>practices</b> [1] 69:11				<b>puts</b> [4] 60:23 70:7 71:5 114:12			
70:7 71:6 78:6 89:8					<b>pre-antibiotic</b> [1] 113:16				<b>putting</b> [4] 49:22 88:12 95:13			
<b>pictures</b> [10] 28:22 28:24 37:18					<b>precautions</b> [1] 39:12				112:15			
56:25 87:15 87:23 88:5 88:13					<b>precision</b> [1] 17:25				<b>qualified</b> [1] 119:5			
89:9 113:24					<b>precursor</b> [3] 108:18 110:14 110:16				<b>quarter</b> [7] 5:19 34:14 34:19			
<b>PIE</b> [1] 90:17					<b>predict</b> [1] 112:6				39:20 39:21 40:1 40:12			
<b>picce</b> [3] 59:2 60:19 103:10					<b>predisposed</b> [1] 11:10				<b>questionable</b> [1] 88:24			
<b>pinprick</b> [2] 105:24 105:25					<b>premise</b> [1] 30:20							

questions[3]	62:20	85:2	100:5	refer [2]	36:8	84:3	31:15	91:15	117:25
quickly[5]	15:25	52:25	53:1	referred [1]	8:19		reviewed [1]	5:17	
53:4 54:21				refuse[2]	76:6	76:7	reviewing [3]	4:22	4:24 5:7
quiescent[1]	110:3			Regardless [1]	62:11		Richard[6]	1:12	1:15 4:5
quite [4]	12:1	18:4	90:13	regenerate[1]	37:3		4:13 118:24	119:6	
quotation[1]	18:13			Registered[2]	1:18	119:3	right [76]	6:19	6:22 10:17 14:6
quote[3]	27:13	65:22	65:25	regular[1]	9:9		15:17 17:1	18:6	20:20 21:23
quoting[1]	27:12			regularly [2]	39:7	115:6	24:3 24:20	25:17	25:25 26:4
radiology [2]	29:6	69:3		Reinker[62]	2:9	3:17 22:3	26:12 27:22	33:6	34:15 34:24
range [5]	22:7	76:20	77:24	28:12 29:16	29:18	30:17 31:5	35:15 37:4	37:24	37:25 38:18
82:11				34:22 34:25	35:4	35:9 52:6	41:13 45:19	46:21	49:13 49:22
rapid [1]	15:13			53:11 57:20	60:14	60:25 62:21	52:17 54:1	57:12	61:24 62:2
rarely [1]	8:11			65:18 67:6	67:10	67:14 69:5	62:18 63:4	63:4	63:7 66:18
re-epithelialize [2]		43:4	43:23	69:10 69:21	70:11	70:15 71:13	73:8 73:15	73:18	73:24 74:15
reabsorb [2]	96:21	97:8		71:18 71:23	72:4	74:16 74:20	74:18 75:1	77:2	78:21 79:23
reach [1]	98:20			83:3 83:8	83:13	84:7 85:1	80:19 82:7	83:22	83:24 84:1
reaches [2]	15:21	15:22		86:5 86:11	87:9	87:14 87:20	84:5 85:18	85:23	88:18 88:25
reaction [1]	97:7			88:1 88:4	89:19	90:7 90:10	89:17 90:17	94:14	99:9 101:1
read [24]	23:1	28:10	29:9	90:13 90:25	91:24	92:9 92:24	103:19 104:1	104:3	104:4 106:17
31:8 31:12	32:11	32:13	35:15	98:13 99:18	100:4	108:19 114:25	107:5 108:12	111:1	113:5 114:15
35:16 35:19	46:5	46:8	46:9	116:4 117:24	118:10	118:16	116:9 117:25		
46:10 51:24	66:4	68:15	70:21	related [2]	6:21	73:9	rise [1]	97:15	
70:23 75:17	80:13	106:10	117:8	relative [1]	119:18		risk [2]	11:5	112:7
reading [5]	78:25	79:1	79:10	relax [1]	14:21		road [2]	50:9	50:11
79:12 105:1				relying [1]	48:10		Roberts [48]	2:3	2:4 3:6
reads [2]	70:7	71:5		remember [8]	33:18	33:19 70:20	4:10 22:9	22:10	29:21 34:23
real [2]	14:18	68:8		91:20 92:21	105:23	106:2 110:15	35:2 35:7	35:11	53:13 60:16
realizing [1]	97:23			remembered [1]	100:3		61:3 62:23	65:21	67:8 67:16
really [6]	13:2	13:4	14:18	remove [1]	48:20		69:25 70:2	70:18	70:21 71:10
19:5 44:15	108:7			removed [1]	113:14		71:16 71:22	71:25	72:2 74:21
reappears [1]	114:19			repeatedly [2]	83:9	83:14	78:15 83:5	83:12	84:9 86:8
rear [1]	18:9			report [21]	7:5	27:12 27:13	87:24 88:2	88:8	90:12 90:14
reason [6]	23:20	31:10	48:16	27:14 27:15	28:2	34:3 49:14	92:1 99:17	99:21	100:6 100:8
59:25 92:22	105:7			61:17 63:25	64:14	64:20 64:21	104:9 104:14	117:22	118:7 118:13
reasonable [2]	87:11	87:18		67:22 69:3	69:19	70:3 70:25			
reasons [1]	80:21			72:25 105:1	105:4				
recalls [1]	33:8			reported [12]	28:7	29:3 29:12			
recess [1]	104:13			31:3 50:7	69:2	69:18 83:18			
recognize [5]	23:17	49:9	91:22	94:19 102:10	102:12	107:7			
92:19 92:20				reporter [3]	1:19	22:5 119:4			
recognized [1]	110:9			reports [2]	26:9	67:20			
recollection [7]	31:20	31:21	58:9	represent [1]	58:10				
58:20 88:13	91:12	97:20		representations [1]	88:7				
recommend [1]	41:5			representing [1]	92:18				
record [16]	4:12	31:23	31:24	research [2]	7:21	13:22			
32:19 32:20	34:1	48:11	50:13	resist [2]	37:6	38:22			
57:15 58:18	78:14	84:12	102:25	resistance [1]	112:25				
103:8 103:19	105:14			resistant [3]	112:19	112:24 116:22			
recorded [1]	51:23			resolution [1]	25:22				
records [25]	4:22	4:25	5:10	resolve [2]	25:9	75:25			
5:12 28:14	30:2	30:20	30:25	resolved [2]	21:18	25:12			
31:1 31:11	31:15	31:18	32:4	resolving [1]	73:13				
32:9 51:8	60:4	65:6	65:7	respect [1]	94:23				
65:7 74:22	77:10	82:13	83:21	respiratory [1]	8:1				
88:14 103:3				response [2]	107:24	107:25			
recreate [2]	50:10	58:15		rest [4]	7:22	25:10 25:13			
red [3]	36:17	40:13	91:23	result [1]	39:11				
reddened [1]	35:6			results [3]	60:11	60:15 62:8			
redness [4]	25:19	40:11	76:10	retract [1]	40:23				
117:19				retracting [1]	42:24				
redressed [1]	46:12			retraction [1]	40:17				
reduced [1]	119:10			retracts [1]	42:23				
reeks [1]	82:17			review [6]	5:9	5:12 5:14			



105:14 105:25					showed[2]	27:11	66:12		66:19 67:13	78:9	79:11	88:18
says[20] 24:13	30:4	34:8	46:11		shown[1]	61:22			sort[12] 5:10	11:9	14:22	53:20
46:11 51:6	57:18	61:15	64:7		shows[2]	82:11	103:20		55:15 56:11	57:8	67:22	95:10
64:11 65:14	65:22	67:25	72:10		side[11] 5:11	8:17	9:14	15:25	97:8 97:13	108:8		
72:11 78:24	103:5	103:10	103:22		25:2 25:5	25:6	26:19	49:3	sound[2]	98:1	98:2	
106:13					49:25 59:24				sounds[1]	72:9		
scalding[2]	95:21	95:21			sideways[1]	18:17			soup[1] 18:22			
scenario[3]	18:24	108:16	116:25		sign[9] 80:9	81:20	81:24	83:15	source[1]	63:6		
scenarios[1]	110:24				83:18 83:18	83:19	117:2	117:14	space[5] 14:19	15:9	114:11	114:13
scheduled[1]	8:8				signal[1]	67:23			114:13			
school[4]	89:7	98:12	99:24		signature[1]	118:1			speak[4] 31:11	31:16	32:19	52:2
100:2					significant[1]	67:21			speaking[1]	67:11		
scientific[1]	98:11				signs[6] 80:10	108:14	109:19	116:25	special[1]	39:12		
screaming[2]	22:22	23:11			117:5 117:18				specialist[4]	20:19	21:15	26:13
se[1] 92:17					single[3]	11:17	49:15	71:11	45:2			
seal[1] 119:22					sink[1] 95:19				specialize[1]	7:25		
second[2]	9:17	104:1			sister[2] 28:19	42:2			specifically[1]	33:8		
second-degree[4]		34:21	35:1		sit[2] 53:19	98:9			specified[1]	119:17		
35:2 89:2					sits[2] 42:25	72:15			speculating[1]	67:1		
secondly[1]	55:7				sitting[3]	64:17	85:6	109:12	speculation[3]	85:23	108:5	108:6
seconds[1]	110:6				situation[4]	9:10	12:4	25:16	spend[2]	7:17	7:20	
see[49] 8:5	8:9	8:21	8:22		39:19				sphere[1]	81:7		
9:1 9:15	10:19	15:13	15:16		six[2]	40:11	87:13		spontaneous[1]	110:21		
16:10 23:15	26:6	29:10	29:14		size[11] 34:14	39:20	39:21	40:1	spot[2] 57:5	57:17		
30:9 30:13	30:14	32:8	33:3		40:12 64:20	65:3	65:7	65:10	spots[2] 57:1	57:18		
33:18 39:10	46:1	48:8	48:21		67:18 72:12				sprain[1]	96:25		
50:11 51:2	51:5	51:7	55:17		sizes[1] 63:13				spread[2]	16:1	16:3	
55:19 58:22	63:21	65:12	67:3		skin[30] 10:2	10:9	10:15	10:17	spreading[1]	15:11		
67:20 67:22	68:24	71:25	72:7		12:13 14:11	14:12	14:21	16:15	SS[2] 1:1	119:1		
74:12 75:17	85:8	85:15	89:21		16:20 17:17	18:1	18:6	18:7	stand[1] 27:14			
102:5 106:14	106:15	113:23	117:20		18:8 18:20	19:9	19:20	36:9	standard[3]	6:14	11:17	86:9
seedling[2]	10:4	10:5			37:14 37:17	38:5	38:20	38:24	standing[1]	71:11		
seeds[1] 10:11					40:19 42:24	58:25	59:3	96:9	stands[1]	72:15		
seeing[8]	9:9	22:1	55:6		111:14				start[7] 4:24	26:1	30:23	41:1
57:3 63:9	91:13	101:8	115:5		skinny[2]	37:20	37:22		58:11 58:14	82:14		
seek[3] 23:20	23:20	30:5			skyrockets[1]	81:10			started[5]	9:22	15:4	26:9
seem[1] 6:21					sleeper[1]	98:1			67:19 76:19			
sees[3] 60:20	62:6	113:25			sleeping[1]	100:24			starting[1]	77:24		
select[1] 116:21					slept[1] 97:17				starts[3] 18:12	49:7	110:20	
send[4] 26:15	40:1	44:18	61:17		slide[1] 14:22				state[7] 1:1	1:19	4:11	61:13
sensation[7]	94:8	97:25	99:6		slip[1] 96:24				119:1 119:4	119:25		
101:4 101:5	105:15	106:7			slow[2] 59:18	83:3			statement[1]	119:15		
sense[4] 10:15	65:5	76:8	101:12		small[3] 63:14	63:19	65:15		stenotypy[1]	119:10		
sensitive[1]	20:4				smaller[1]	40:24			step[1] 55:19			
sent[5] 26:3	26:7	26:8	27:16		smallest[1]	43:2			sterile[2]	17:4	18:3	
29:14					smoke[1]	98:10			steroids[1]	107:22		
sentence[1]	66:4				smolder[1]	53:20			stick[1] 41:24			
series[1] 89:9					snap[1] 112:8				sticking[2]	51:11	54:9	
serious[5]	46:25	70:4	71:1		so-called[2]	18:21	22:13		sticks[2]	48:25	55:7	
74:6 111:8					soft[4] 11:11	15:21	19:9	36:11	stiff[2] 77:19	79:23		
service[1]	7:2				someone[12]	63:9	79:11	92:18	stiffness[12]	23:4	75:24	76:10
services[1]	8:9				94:5 94:12	97:22	97:24	98:2	76:18 77:11	77:12	79:20	80:1
set[3] 1:22	60:12	119:21			99:6 105:14	106:13	110:2		80:3 80:8	80:11	117:10	
setting[2]	14:18	96:2			sometime[1]	29:10			still[15] 20:17	20:21	33:10	72:25
settings[1]	10:1				sometimes[1]	17:17			93:11 98:3	113:7	115:1	115:2
seven[1] 118:6					somewhat[2]	34:11	38:4		116:11 116:11	116:18	116:19	116:19
severe[1]	47:14				somewhere[2]	50:5	56:18		116:19			
sheath[2]	14:16	14:17			soon[3] 23:12	64:10	97:2		stimuli[1]	98:19		
sheets[1]	78:23				sooner[2]	97:2	112:23		stocking[1]	101:15		
shock[3]	36:14	85:21	98:23		sore[1] 11:24				stop[2] 18:7	88:2		
shoes[1] 100:24					sores[1] 19:10				stops[2] 40:19	42:23		
shorter[1]	23:22				sorry[9] 51:17	51:21	61:1	66:16	stove[1] 36:18			
show[4] 30:14	46:23	52:20	102:22									

Index Page 13

20:1 113:2					unhealthy[1] 114:4				ways[1] 19:16			
treatable[1] 113:9					unit[5] 7:3 41:10 44:18 45:10				weakening[1] 40:13			
treated[2] 9:2 113:5					45:18				weakness[1] 102:2			
treating[1] 9:10					University[3] 1:9 4:16 69:12				wearing[2] 33:15 104:17			
treatment[2] 39:1 45:7					unless[2] 51:12 109:25				Wednesday[1] 74:8			
trial[3] 6:5 6:5 118:3					unreasonable[1] 47:8				week[8] 29:11 50:10 53:19 76:3			
trials[1] 4:23					unusual[5] 13:2 13:4 17:22				77:3 79:13 83:6 118:3			
tricking[1] 88:9					21:12 55:5				weeks[2] 26:1 104:4			
tricky[1] 98:6					up[47] 15:5 15:5 15:5 16:8				weep[5] 34:10 34:11 36:11 36:14			
tried[5] 75:12 80:13 80:18 81:25					16:16 20:2 21:12 23:3 36:18				36:17			
82:1					42:25 46:17 47:22 51:22 59:20				weeping[4] 33:22 34:9 36:8			
trouble[3] 77:14 81:19 100:24					66:2 72:15 79:3 79:22 82:18				36:20			
truck[1] 23:5					82:25 85:15 85:16 90:21 90:22				Wellman[2] 93:3 93:5			
true[42] 4:17 6:23 7:8 10:18					90:22 90:23 97:13 98:3 98:5				Wellman's[1] 24:12			
12:12 24:16 25:21 26:5 26:11					98:24 99:2 101:19 101:20 101:23				West[2] 2:5 103:15			
27:19 32:21 33:7 34:5 35:25					102:1 102:7 102:9 102:23 107:20				wet[3] 36:20 115:6 115:22			
37:5 37:7 38:2 38:21 38:23					107:24 108:1 113:1 114:7 114:10				whammo[1] 82:20			
38:25 39:3 49:16 52:12 54:2					114:15 114:18 114:23				wheelchair[3] 77:18 78:1 82:3			
54:18 54:20 63:5 63:8 66:5					upper[6] 8:1 17:9 17:14 24:10				WHEREOF[1] 119:21			
74:24 79:17 79:19 80:15 80:17					24:14 24:16				white[1] 92:5			
84:2 84:4 94:4 94:13 107:8					ups[1] 75:3				whoa[4] 67:6 67:6 67:7 67:7			
110:17 115:19 119:12					urinary[2] 11:21 17:11				whole[18] 11:19 35:16 41:24			
trusts[1] 20:24					used[3] 19:13 35:5 103:25				63:10 70:7 70:19 70:23 71:5			
truth[5] 28:16 32:16 119:8 119:8					useful[3] 19:14 26:23 106:6				76:2 77:4 78:5 79:7			
try[10] 22:2 58:15 82:21 88:17					using[4] 18:7 94:24 97:12 112:20				82:9 82:24 83:6 85:2 119:8			
89:13 96:20 96:21 97:2 97:8					usually[4] 5:10 22:13 31:23				wide-open[1] 85:7			
97:13					v[1] 1:8				wife[1] 17:5			
trying[12] 21:11 48:20 55:15					vaguely[1] 33:19				wills[1] 20:24			
58:10 59:6 67:2 72:19 75:11					varied[1] 12:10				within[5] 6:12 13:14 21:2			
82:14 85:6 88:6 92:21					varies[2] 12:8 50:17				110:6 119:4			
tuberculosis[4] 6:17 6:22 8:23					variety[1] 19:21				within-named[1] 119:6			
8:24					vary[2] 10:8 10:12				without[4] 14:21 30:20 97:23			
TUESDAY[1] 1:13					venous[1] 22:24				102:24			
turn[5] 21:14 21:17 45:12 45:17					verbal[1] 64:14				witness[9] 1:16 4:6 65:20			
98:24					versus[1] 4:16				67:13 84:8 88:3 92:7 119:6			
turning[2] 18:22 49:10					Vicodin[1] 47:7				119:21			
turns[2] 53:3 59:1					videotape[1] 6:2				women[1] 17:12			
Tuschman[1] 90:20					Virtually[1] 23:17				wondering[1] 99:22			
twelve[1] 104:7					vision[1] 64:19				word[7] 24:3 35:5 48:8 48:13			
two[23] 6:9 6:10 10:1 31:18					visit[2] 103:22 103:24				62:12 71:11 98:18			
33:14 50:10 58:15 58:23 64:2					visiting[1] 115:5				words[5] 7:25 48:10 64:14			
64:7 86:23 87:4 87:13 88:5					vivid[3] 31:20 31:21 58:20				111:7 117:6			
88:18 88:20 89:9 89:14 91:2					volcano[1] 53:17				worked[1] 20:5			
97:4 118:8 118:10 118:11					volume[1] 36:13				world[1] 51:9			
type[4] 6:13 8:19 8:24 16:3					volunteer[2] 100:19 100:20				worried[2] 54:5 54:7			
types[4] 6:12 7:23 11:10 11:17					voracity[1] 32:16				worry[1] 67:4			
typical[7] 6:24 8:3 11:1					wait[3] 5:2 75:9 89:14				worse[12] 75:6 76:11 77:s			
12:4 24:19 47:10 50:8					waiting[2] 15:18 67:16				77:6 77:8 77:11 77:13 78:8			
typically[7] 7:24 8:5 9:24					wake[2] 98:3 98:5				78:8 117:10 117:11 117:11			
12:9 21:24 25:5 99:1					wakes[1] 59:20				worsening[1] 28:5			
ulcer[2] 11:25 116:11					walk[3] 77:13 77:15 77:20				worst[2] 80:24 101:24			
ulceration[1] 16:16					walking[3] 23:4 77:14 77:22				wound[20] 12:14 18:25 33:9			
ulcers[2] 19:9 100:25					wants[6] 29:8 70:4 70:5				33:13 33:14 33:21 34:9 39:13			
under[12] 1:17 4:7 9:6					71:1 71:3 74:25				40:6 44:2 57:1 62:17 84:24			
9:20 21:20 33:9 33:22 66:5					wards[1] 7:2				86:3 87:2 110:21 114:20 115:11			
107:19 107:24 115:4 115:10					wash[1] 95:19				116:11 116:12			
underlying[3] 36:11 59:12 110:25					washing[1] 18:3				wounds[1] 39:6			
underneath[1] 14:22					watch[1] 40:22				wow[1] 113:24			
understand[10] 14:6 14:24 51:14					water[6] 17:17 18:3 56:10 95:17				wrap[1] 95:10			
52:3 54:14 55:24 56:1 69:2					watermelon[1] 63:23				wring[1] 95:20			
75:4 109:10									wrist[1] 97:1			
underwhelmed[1] 66:20									write[7] 32:23 33:5 33:17 50:8			
unfolds[1] 110:7									50:18 51:1 51:10			

<b>writing</b> [1]	104:4			
<b>written</b> [4]	31:23	33:3	51:7	
58:19				
<b>wrong</b> [1]	53:10			
<b>vmote</b> [2] 31:17	32:4			
<b>X</b> [2]	31:2	68:9		
<b>year</b> [7]	21:5	21:22	22:1	90:11
91:9	91:14	105:5		
<b>years</b> [16]	21:2	31:18	33:14	
58:15	58:23	85:19	88:18	88:20
89:9	89:14	90:25	91:13	93:25
101:2	101:7	102:14		
<b>younger</b> [2]	21:25	43:10		
<b>yourself</b> [1]	35:19			
<b>zero</b> [1]	37:23			
<b>zilcho</b> [1]	115:13			