

IN THE COURT OF COMMON PLEAS

Doc 71

CUYAHOGA COUNTY, OHIO

MURRAY K. LILLEY,
et al.,

Plaintiffs,

-vs-

JUDGE MAHON
CASE NO. 227813

UNIVERSITY ORTHOPEDIC
ASSOCIATES, INC., et al.,

Defendants.

- - - -

1 Deposition of HENREY BOHLMAN, M.D., taken as
1 if upon cross-examination before M. Sheila
1 Hanlon, a Notary Public within and for the State
1 of Ohio, at the offices of Jacobson, Maynard,
1 Tuschman & Kalur, 1001 Lakeside Avenue,
1 Suite 1600, Cleveland, Ohio, at 3:30 p.m. on
1 Wednesday, January 6, 1993, pursuant to notice
1 and/or stipulations of counsel, on behalf of the
1 Plaintiff in this cause,

- - - -

2 MEHLER & HAGESTROM
2 Court Reporters
2 1750 Midland Building
2 Cleveland, Ohio 44115
2 216.621.4984
2 FAX 621.0050
2 800.822.0650
2

1 APPEARANCES:

2 Richard D. Topper, Esq.
3 Bradley, Topper & Farris
4 1620 East Broad Street
5 Suite 101
6 Columbus, Ohio 43203
7 (614) 221-3271,

8 On behalf of the Plaintiffs;

9 Susan Reinker, Esq.
10 Jacobson, Maynard, Tuschman & Kalur
11 1001 Lakeside Avenue
12 Suite 1600
13 Cleveland, Ohio 44114-1192
14 (216) 736-8600,

15 On behalf of the Defendants.

16 ALSO PRESENT:

17 Ms. Diane Kaluszyk
18
19
20
21
22
23
24
25

1 HENREY BOHLMAN, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF HENREY BOHLMAN, M.D.

8 BY MR. TOPPER:

9 Q. Dr. Bohlman, has your deposition ever been taken
10 before?

11 A. Yes.

12 Q. You have given quite a few depositions, I would
13 imagine, for attorneys here in Cleveland?

14 A. Oh, a few,

15 Q. How many do you think?

16 A. I probably do two or three a year, and I've been
17 here 20 years.

18 Q. Do you do depositions for patients who have been
19 involved in personal injury lawsuits?

20 A. Rarely.

21 Q. What type of depositions have you given in the
22 past?

23 A. Mostly for patients on whom I've operated and
24 they have been involved in accidents of some
25 type.

Q. How many different firms have you worked with, for instance, here in Cleveland? For instance, have you worked for the Spangenberg firm before?

MS. REINKER: Objection to questions regarding him working for firms.

Q. Let me rephrase, do you recall doing a deposition in which the Spangenberg firm had been involved?

MS. REINKER: If you know.

A. I don't recall doing one with them, to be honest.

Q. Okay. The Weissman firm?

A. I don't recall doing one with them either.

Q. The Nurenberg firm?

A, I saw Nurenberg's wife as a patient.

MS. REINKER: I don't know if you're allowed to say that.

A. But other than working with them or for them, I don't recall a specific case.

Q. Would you tell me what percentage of your work is in the cervical spine?

A, I do exclusively spine surgery, which I've done since 1980, and about 40 percent of my, approximately 40 percent of my surgery is cervical spine surgery.

1 Q What levels of the cervical spine do you deal
2 with?

3 A All levels.

4 Q Do you get referrals from local hospitals as
5 well as from centers maybe from around the
6 country?

7 A Thirty percent of my patients are from out of
8 state. I just operated on a prince from Kuala
9 Lumpur, and I have patients from other
10 countries, Argentina, Brazil, Europe and Ohio,
11 too.

12 Q. Does Case -- it's Case Western that you work
13 with, right?

14 A The University Hospital at Case Western. Yes

15 Q Is there a particular name for the spine center
16 there?

17 A. Well, we call it the Reconstructive and
18 Traumatic Spine Surgery Center.

19 Q Before 1980, what type of work were you doing?

20 A I was doing predominantly spine surgery but
21 still doing general orthopedics

22 Q How many people are involved in the spine
23 service there at Case Western?

24 A We have ten orthopedics that do spine surgery
25 three do deformity surgery in children

1 predominantly and some adults, and seven of us
2 do other reconstructive spine surgery for
3 arthritis, trauma and other things,

4 Q. Are there any neurosurgeons involved in your
5 staff?

6 A. I was going to say in our spine center,
7 Dr. Russell Hardy is the neurosurgeon that does
8 most of the spine surgery there, He's in our
9 spine center and right down the hallway. And
10 the other neurosurgeons do some spine surgery.

11 Q. Do you have a residency and a fellowship program
12 in spine surgery?

13 A. We have a fellowship in spine surgery. I have
14 three domestic fellows each year, all of whom go
15 into academic positions. This year I have three
16 domestic fellows and two foreign fellows, one
17 from Thailand and one from Korea.

18 Q. Back in 1988 there was a fellow working with
19 you, it's Dr. Lewel, is it?

20 A. He wasn't a fellow.

21 Q. Okay, what was his position?

22 A. He was a resident.

23 Q. How do you pronounce his name, if I got that
24 wrong?

25 A, You are making me blank. Are you referring

to -- Lamel.

Q. Was he a fourth-year resident?

A. I don't remember, the private service, there is a second-year type resident, a senior resident, Actually I think he was probably a second year.

Q. How many spine centers like yours are there in the country?

A. I don't think there is any other exactly like ours. There are other spine centers that do predominantly deformity surgery, may do predominantly back surgery, I think there are very few that have some spine surgeons that as we do, coordinate the effort that we do.

Q. On the average, let's take cervical spine surgeries, do you do a week or a month?

A. Well, I did one yesterday very similar to Mr. Lilley's. I'll do approximately 200 cases a year, of which 40 percent of those will be cervical, so I operate two days a week, but I'm in full-time academic orthopedics, so I don't practice full-time privately,

Q. How much of your time is dedicated to surgery and patient care as opposed to that which is involved in the academic aspect?

A. Well, there is a mixture where we train

1 residents and fellows in their assisting on
2 procedures and working patients up. So that's
3 part of the teaching program, and I also run a
4 huge spinal cord injury program at the Veteran's
5 Administration Medical Center, and that takes
6 part of my time. So we are considered full-time
7 academic, but within that, the private
8 practices, as I said, I operate two days a week
9 Tuesday and Thursday and see patients two half
10 days a week.

11 Q. The spine, cervical spine surgeries that you do
12 in the year, which I calculate to be about 80,
13 how many of those involve fusions?

14 A. Almost all of them.

15 Q. How many involve fusions with grafts?

16 A. Almost all of them.

17 Q. Do you do any wiring, fusions with wiring?

18 A, Yes.

19 Q. How many of the fusions that you do involve
20 wiring?

21 A. Usually that's just the posterior, back side
22 fusions, you don't use wire in the front.

23 Q. Was Mr. Lilley's an anterior or posterior spinal
24 fusion?

25 A. An anterior fusion.

1 Q. Do you have a curriculum vitae with you?

2 A. Yes, I do.

3 Q. Do you have spinal monitoring capabilities at
4 Case Western?

5 A. Yes, we have spinal cord monitoring capabilities
6 at all three related hospitals.

7 Q. What are the three related hospitals?

8 A. University Hospital, Veterans Administration
9 Medical Center and the Metro Health Medical
10 Center.

11 Q. Do you practice out of all three of those
12 hospitals?

13 A. No, just at the VA and University. I'm on the
14 consulting staff of the Metro Hospital, but I
15 rarely go over there.

16 Q. How many spinal cord monitoring units do you
17 have at the University facility?

18 A. Orthopedics currently has two, an old one and a
19 newer one, other departments have some, have
20 one.

21 Q. What other departments have them?

22 A. Neurology, neurosurgery,

23 Q. Do you know how many they have?

24 A. I think they have one each.

25 MS. REINKER: Are you talking about

currently?

A. Yes, neurology usually has monitoring machines, but I don't know how long neurosurgery has had theirs.

Q. Let's go back to 1988. How many were available at the University facility?

A. I don't remember. I think there was, I am almost positive there was one. I am positive there was one.

Q. And that was with the orthopedics, neurosurgery and neurology involved?

A. No, that was with orthopedics alone, with a technician,

Q. Did the neurosurgery and neurology also have one at that time?

A. I don't know.

Q. How many O.R.s would the orthopedic service have going on any one day?

A. Anywhere from four to six.

Q. Out of those four to six O.R.s, how many would be involved in spine surgery?

A. Most frequently two at one time, sometimes as many as three or four.

Q. Back in 1988, what kind of spine monitoring equipment did you have?

1 A. We had the Path Finder Monitorin System which
2 is made by Nicolet.

3 Q. How long did you have that?

4 A. Well, we have been doing monitoring since the
5 mid '70s, we were one of the early centers to do
6 it, but I don't remember the year we bought the
7 Nicolet machine. We had a machine that was
8 constructed by the engineers, it was hand made
9 by the engineers from Case in the early days,
10 and I don't recall the year we bought our first
11 new one.

12 Q. How many spine centers in the country back in
13 1988 had spine monitoring systems, do you know?

14 A. I have no idea.

15 Q. Was it a fairly common apparatus to have back in
16 1988?

17 A. I think reasonably common,

18 MS. REINKER: Are you referring to
19 spine centers or all hospitals?

20 MR. TOPPER: We'll talk about all
21 hospitals now.

22 Q. How about in all hospitals, was the spine
23 monitoring system for a hospital that did spine,
24 cervical spine surgery, was it a common unit?

25 A, I don't think it's that common, I don't think

1 every hospital had it,

2 Q. How about spine centers in particular?

3 A. I don't know in 1988, I don't have that
4 information.

5 Q. What's the purpose of the spine monitor?

6 A. Well, there are many purposes. The original
7 purpose -- originally it was developed at our
8 institution in the early days to monitor
9 deformity surgery where rods and instrumentation
10 were put in the back side of the spine to
11 correct deformities, and in a small percentage
12 of patients with these deformity corrections,
13 they developed a paralysis, I think that was
14 the early purpose of spinal cord monitoring was
15 to try to see if we could electrically with this
16 machine determine if something was going wrong
17 in the spinal cord during the operation.

18 Q. Early on, what type of success was there with
19 the spine monitoring system?

20 MS. REINKER: Objection.

21 A. I'm not sure what you mean by that.

22 Q. In other words, what type of success was there
23 at detecting a problem during the deformity
24 surgery, and what type of success was there in
25 correcting that problem once it was noticed by

1 the spine monitoring system, and I'm talking
2 early on now.

3 A. That's a broad question, but the monitoring did
4 pick up some abnormalities and conduction of the
5 spinal cord in the deformity surgery, As far as
6 correcting problems, I can't answer that because
7 there are a lot of different circumstances,
8 which alterations occur in spinal cord
9 monitoring. Our institution, or the spine
10 surgeons in it, have written an article on that
11 particular topic, but I don't recall the details
12 of all that.

13 Q. Did you have any input on that article?

14 A. No.

15 Q. Do you know any of the authors of that article?

16 A. Yes, they are my partners.

17 Q. Who in particular, just a couple names?

18 A. Thompson; Wilbur.

19 Q. Do you view that article as authoritative on
20 spine monitoring?

21 A. Well, it's one of many, many articles on spinal
22 cord monitoring. It's published in the Journal
23 of Bone and Joint Surgery, which is an accepted
24 journal. I don't know what you mean by
25 authoritative.

1 I mean, do you rely on that text or that article
2 as being something that you would say is a good
3 reference piece in spine monitoring?

4 A. Yes, I think it's a good reference.

5 Q. Have you participated in the, let's say the
6 inventing or the adaptive process of any of the
7 spine monitoring equipment? In other words,
8 have you, through your years in doing spine
9 surgery, ever had any input with the
10 manufacturers or any of the people that
11 developed the spine monitoring equipment?

12 A. No,

13 Q. Have you written any articles on spine
14 monitoring equipment?

15 A, Not on equipment, per se. I use it in my
16 experimental work.

17 Q. Have you written any articles in which the spine
18 monitoring has been involved in the experimental
19 work? In other words, do any of your articles
20 deal with that topic?

21 A, Yes.

22 Q. Have you done any articles on spine monitoring
23 in patients?

24 MS. REINKER: Do you mean where
25 that was the subject of the article?

MR. TOPPER: Yes.

A. No.

Q. How about where that was an offshoot of the article? In other words, where spine monitoring might have been mentioned?

A. I don't recall whether it's mentioned in any of the articles I've written. I haven't written a specific article on spinal cord monitoring on clinical patient care.

1 Q. Through the years, which when it was developed
1 in the early '70s through the mid '80s, please
1 tell us the progress of how spinal cord
1 monitoring equipment developed, in other words,
1 in its efficacy or its ability to monitor and do
1 that which it was invented to do?

1 MS. REINKER: Objection. Can you
1 make that a more specific question, that's
1 an awfully broad question,

1 MR. TOPPER: It is and I meant it
2 to be that way.

2 MS. REINKER: I don't think this
2 witness is capable of regurgitating the
2 history of spinal cord monitoring.

2 Q. Are you capable of doing that?

2 A. I don't think so. I mentioned how it was

1 started in our center and the purpose of how it
2 was started. That's historically what happened
3 in our center.

4 Q. You use spinal cord monitoring, I take it?

5 A, In some situations, yes, not all.

6 Q. In what situations do you use spinal cord
7 monitoring?

8 A. I use it in patients where I have an academic
9 interest to see if the monitoring is abnormal
10 and may improve with surgery. I use it in some
11 patients where there may be a known risk for
12 developing neurologic problems, but it's not
13 used universally in all of our spine surgeries.

14 Q. In an average year, let's say now, how many
15 patients do you use the spine monitoring?

16 A. I would have to guess.

17 Q. That's okay.

18 A, We don't generally use it in any lumbar surgery,
19 and as a general rule, we use it in some of the
20 thoracic and some of the cervical, so I would
21 have to guess at numbers, probably about 40 or
22 50 patients a year.

23 Q. And out of those 40 or 50 patients a year, aside
24 from the academic interests that you may have,
25 is there any other reason that you might use it,

other than there might be a known risk for the
development of paralysis?

A. Well, I think not really, I think it's in

Q.

A.

I think monitoring might be helpful. On the
other hand, we haven't seen any tracings yet, it
may not work or conduct before we even get
started and I don't know that yet.

Q. How about, are there any patients that don't --
do you use it on patients who don't have

1 preexisting paralysis, where there may be a
2 known risk to develop paralysis?

3 A. Sometimes in thoracic disc patients, in the
4 chest area, we do because that's a minimal but
5 known risk area, Sometimes in the cervical
6 patients who have severe deformities, and we're
7 going to do a correction of a spinal deformity,
8 we may use it in that situation.

9 Q. What type of cervical disc patients, what type
10 of deformities are you talking about where you
11 would use spinal monitoring?

12 A. Kyphosis or angular deformities,

13 Q. Mr. Lilley had a kyphosis and an angular
14 deformity, agreed?

15 A, Yes. And other examples would be spinal cord
16 deformity such as arthritis and other things.

17 Q. What is it about kyphosis and angular deformity
18 which compares with a paralysis where you might
19 want to use spinal cord monitoring?

20 A. I don't think it compares with paralysis, but
21 the deformity can cause paralysis of its own
22 account without any surgery at all, and in some
23 individuals in whom we have written about, they
have had significant paralysis from the
deformity. In that situation, I think it's

1 worth looking at monitoring from a standpoint of
2 the recovering of the monitoring as well as
3 potential risk during surgery.

4 Q. I will reask my question. I don't know, you may
5 have answered it, and I may just not have picked
6 up on the answer, but the question was, what is
7 it about people with kyphosis and angular
8 deformity which would cause you to use the
9 spinal cord monitoring?

10 A. Certainly if they had preexisting paralysis or
11 spinal cord disfunction or abnormal function,
12 manifested on examination, I would be a little
13 more cautious about possibly using monitoring,
14 because the cord can be in jeopardy from just
15 being in traction during surgery or any
16 manipulation surrounding the surgery, so on
17 occasion that's when we use monitoring.

18 Q. Are there situations where you don't have that,
19 the abnormal situation where you just talked
20 about where you have a kyphotic or angular
21 deformity where you would want to use
22 monitoring?

23 A. There are other situations possibly, as I
24 mentioned, if we have severe arthritis and big
25 bone spurs compressing the spinal cord,

1 especially in the case of preexisting paralysis
2 we might want to use it.

3 Q. When you speak of preexisting paralysis, you're
4 talking about paralysis that is ongoing right
5 before the surgery, is that right?

6 A. Yes,

7 Q. Are there situations though where you don't have
8 preexisting paralysis where you have a kyphotic
9 and angular deformity where you want to use
10 spinal cord monitoring?

11 A. Sometimes, I guess.

12 Q. What type of situations?

13 A. But I think it boils down to patients that have
14 some, mostly some visible or documentable signs
15 of spinal cord compression. I mean that's a
16 usual situation. We don't use it in all
17 kyphotic deformities,

18 Q. What type of visible or documentable, would you
19 say stenosis -- I'm sorry, I didn't pick up.

20 A. No loss of sensation or signs of spinal cord
21 compression.

22 Q. So you look for loss of sensation as one of the
2 areas in which you would tend to want to use
spinal cord monitoring?

25 A. Well, if it indicates spinal cord impairment,

1 that's a possibility, yes.

2 Q. Tell us what the advantages, then, are in that
3 type of situation of using spinal cord
4 monitoring?

5 A. Well, I think there are advantages and
6 disadvantages, but one of the advantages, as I
7 mentioned earlier, is my academic interest to
8 see if people who have preexisting
9 abnormalities, to see in spinal cord monitoring
10 whether this is going to improve once
11 decompressions of the spinal cord are done.
12 That's probably my major interest in utilizing
13 spinal cord monitoring, to have some electrical
14 documentation during surgery that things are
15 improving.

16 Q. Did you have any academic interest in
17 Mr. Lilley?

18 A. Well, I have an academic interest in most
19 everybody.

20 Q. Was your reason you wanted to use spinal cord
21 monitoring in Mr. Lilley's case --

22 A. I had discussed it with him.

23 Q. In fact, you had put that in letters to the
24 people in Baltimore, that you were going to be
25 using spinal cord monitoring, is that right?

1 A. That's true.

2 Q. And that was in your operating notes, that you
3 had anticipated using spinal cord monitoring, is
4 that right?

5 MS REINKER: When you say
6 operating notes, what are you referring
7 to?

8 MR. TOPPER: I'm sure he knows what
9 I'm talking about.

10 MS. REINKER: Are you saying in the
11 operative note?

12 A. Possibly in letters I don't think in the
13 operative note it was mentioned, but in the
14 initial office notes, I think is where you read
15 it.

16 Q. It's my understanding that your wanting to use
17 spinal cord monitoring went beyond that of an
18 academic interest, is that right?

19 ME REINKER: Objection.

20 A. Well, it certainly went through my mind that we
21 might use it both from an academic standpoint to
22 see if it was abnormal to begin with and
23 improved during the surgery, and possibly as a
24 precautionary, additional information to let us
25 know if there was some problem with the spinal

1 cord during the procedure.

2 Q. Let's talk about the precautionary reasons for
3 using spinal cord monitoring. What effect does
4 spinal cord monitoring have on patients? In
5 other words, what does it allow you to do when
6 you use it, as opposed to when you have a
7 patient without spinal cord monitoring?

8 A. I think I misunderstood your question.

9 Q. Okay. We're talking about the precautionary
10 measures, the way you use spinal cord
11 monitoring, what does the use of the spinal cord
12 monitor allow you to do as opposed to patients
13 without a spinal cord monitor?

14 A. I don't think it changes what you do or what
15 you're going to do. It doesn't allow you to do
16 any more or less.

17 Q. How about if there is a problem during the
18 surgery, what does that allow you to do in a
19 patient with spinal cord monitoring, than in a
20 patient without spinal cord monitoring?

21 A. What do you mean by problem?

22 Q. In other words, if there is compression of the
23 cord?

24 A. Most patients have compression of the cord to
25 begin with, for which we are doing the surgery.

1 Q. What if you have a situation arise when you may
2 have a cord lesion or something like that during
3 surgery brought about as a complication in the
4 surgery, what does using the spinal cord
5 monitoring allow you to do that you wouldn't be
6 able to do without it?

7 A. Well, if there is an alteration of the spinal
8 cord monitoring, we may check with anesthesia to
9 see, because anesthetics affect the monitoring
10 too. We may check with them to see if there is
11 some sort of an anesthetic agent that is
12 affecting it. Sometimes temperature changes
13 affect it.

14 By and large, I think it's probably more
15 universal in surgery in the back of the spine,
16 because basically the messages of the spinal
17 cord monitoring go up the back side of the
18 spinal cord, and it's probably most useful in
19 the deformity surgeon's hands where they might
20 remove instrumentation if they corrected a
21 deformity and the monitoring goes out.

22 Q. How soon after an alteration occurs in the cord
23 will there be evidence on the spinal cord
24 monitor, would that be almost instantaneous?

25 A. Not necessarily.

1 Q. Generally how long is it, or do you know?

2 A. I'm not sure I can answer that specifically.

3 I've seen patients that have had basically
4 normal spinal cord monitoring throughout a
5 procedure and have paralysis postoperatively,
6 and it's been reported in the literature, I
7 don't think timingwise you can tell or you can
8 absolutely predict, and I think it depends on
9 what the spinal cord lesion is. There are many
10 different things that affect spinal cord
11 function.

12 Q. Why use a spinal cord monitor except for
13 academic reasons, then, if that's the case?

14 A. Well, I think basically it's an additional
15 monitor during the procedure of spinal cord
16 function, and it may be some benefit in
17 determining whether there are some alterations
18 of spinal cord function. Some surgeons don't
19 use it. In fact, they don't like to use it,
20 because if it's altered, it makes them worry,
21 and they probably aren't going to change their
22 procedure anyhow.

23 Q. It doesn't make you worry, I take it, because
24 you use it?

25 A, Oh, it makes me worry.

1 Q. On how many occasions when you do the spinal
2 cord monitoring do you have problems arising
3 from anesthesia as opposed to some other
4 problem?

5 A. That's not infrequent, but in our center,
6 anesthesia is pretty tuned into using a specific
7 agent when the monitoring is being performed,
8 but usually, that's not a major problem, but
9 lots of things can affect the monitoring, like
10 electrical noise in the room and temperature and
11 whatnot.

12 Q. Have you had a situation arise since you have
13 been using the monitor where there has been some
14 type of compromise to the cord which has caused
15 a change in the monitor?

16 A. Yes.

17 Q. Tell me about those.

18 MS. REINKER: Objection.

19 A, I don't know that I can remember all of them,
20 but I had a Taiwanese lady about a
21 year-and-a-half ago with a very unusual problem
22 of a calcification, or bone formation, in the
23 spinal canal that was compressing the spinal
24 cord and actually had eroded through the
25 covering of the spinal cord, and when we removed

1 that, at the same time her blood pressure
2 dropped, which can affect the spinal cord
3 monitoring, But once that was removed and she
4 woke up with a one sided weakness, the
5 monitoring did show some alterations, and then
6 the patient recovered and the monitoring was
7 recovered.

8 Q. Did the monitor or the alteration of the monitor
9 allow you to do something with that patient that
10 you wouldn't have done otherwise?

11 A. No, it didn't alter the procedure at all, other
12 than make me stop and think about it for a
13 while, but I had to do that, decompress the
14 spinal cord anyhow, so we proceeded with the
15 procedure and finished it.

16 Q. Have you had a situation arise where the spinal
17 cord monitor has allowed you to save paralysis
18 in a patient?

19 A. I can't answer that because I don't know whether
20 the patient was paralyzed while the patient was
21 asleep to begin with and then not paralyzed when
22 they woke up. I maybe had one, I can remember
23 one situation with severe arthritis of the
24 spine, with the cervical spine with a patient in
25 traction, where it appeared to be altered and

1 decreased the weight on the traction.

2 Whether that patient didn't wake up with
3 any paralysis, which is extraordinarily rare
4 anyhow to have a paralysis after surgery, but
5 that patient was fine.

6 Q. Does the spine monitor, monitor vascular
7 compromise as well as other types of compromise?

8 A, It can, but in my experience it may not show
9 that right away, but I have had rare, rare
10 experience in that.

11 Q. Mr. Lilley did not have a vascular compromise,
12 is that right?

13 A. No, I don't know that that wasn't part of the
14 compound in what occurred.

15 Q. What, in your opinion, is the most probable
16 cause of his compromise?

17 A. Well, I think he was compromised to begin with
18 with his kyphotic deformity, with his old spinal
19 cord injury, which was quite severe. He was
20 quadriplegic in 1963, and he had residuals, I
21 think, from that, although I can't be certain.
22 So he had both deformity as well as severe
23 spinal cord compression, which does affect the
24 blood supply of the spinal cord,

25 The occurrence after the surgery that I

1 did, I believe was related to, in part, to
2 displacement of the bone graft, but on the other
3 hand, I think he had a compromised spinal cord
4 to begin with,

5 Q. On the MRI that was done in 1989, it shows an
6 infarct at C-5. Are you telling me that was
7 preexisting, or was that as a result of the bone
8 graft?

9 A. I can't be certain of that because his first MRI
10 was done a number of years, was it, I can't
11 recall, a number of years before that, and he
12 didn't have a preoperative and an immediate
13 preoperative MRI just before the surgery. He
14 had a myelogram, and in addition what appears to
15 be an infarct is not exactly at the level where
16 the bone graft protruded, so I'm not totally
17 certain when that occurred.

18 Q. Did you see an infarct preoperatively at C-5 as
19 indicated on the MRI in 1989?

20 A. No, but that was a one view. No, wait a
21 minute, He wasn't preoperative, I mean the
22 surgery was in 1988.

23 Q. Right.

24 A. But preoperatively the MRI he had done was only
25 in one view because he couldn't tolerate being

1 in the scanner to get the cross-sectioned images
2 on that, whenever that was, and two years before
3 that, I think it was '86, it did not show an
4 infarct.

5 Q. Are you in agreement with the radiologist, or
6 have you seen the image studies that showed the
7 infarct at C-5 postoperatively?

8 A. I think that's what it is.

9 Q. You are in agreement with that?

10 A. Yes.

11 Q. Who assisted you in the operation?

12 A. I have to look it up,

13 Dr. Smith, it may have been, I'm sure
14 there was a resident, I don't know if it was Dr.
15 Lamel or not, but we always have three people,
16 and I don't have that document in my
17 documentation. Doctor Smith was a spine fellow
18 with me at the time. Actually, and if you
19 really want to know, we can look on an operative
20 note, but I'm sure Lamel or one of the residents
21 was involved too.

22 Q. What have you reviewed in preparation for this
23 deposition?

24 A. In general the hospital records and my office
25 records and the X-rays, not every word.

1 Q. Have you reviewed the actual X-rays, or are you
2 talking about the X-ray studies? In other
3 words, the radiologist reports?

4 A. I reviewed the X-rays.

5 Q. Have you compared the X-rays to the radiology
6 reports?

7 A. Yes.

8 Q. In regard to the intraoperative X-rays and the
9 postoperative X-rays which were done on
10 July 7th, are you in agreement with the findings
11 by the radiologist?

12 A. I don't recall. Give me a specific date, on the
13 7th of July?

14 Q. Yes, that was the date of surgery.

15 A. Now, there is an intraoperative chest --

16 Q. Let's stick to the cervical spine.

17 A, Cervical, there is one done, here we are, at
18 12:55 hours. I don't know what they're talking
19 about with an overlying density adjacent to C-5,
20 but I basically agree with that,

21 Q. How many X-rays did you do intraoperatively?

22 A, Do we usually do?

23 Q. No, how many did you do on Mr. Lilley?

24 A. Two.

25 Q. Can you see if you can find any? I can only

1 find one intraoperative report. Can you find
2 another one?

3 A. There should be one identifying film, I mean I
4 have seen it with my very own eyes. Here's one
5 at 11:45.

6 Q. And the surgery from my understanding --

7 A. No, wait a minute, that's the later X-ray.
8 There are two, I can assure you I saw them with
9 my very own eyes this week, and the first one is
10 identifying the level, and the second is after
11 the graft is inserted.

12 Q. Okay. So the first X-ray was actually done
13 before the placement of the graft?

14 A. The first X-ray is to identify the level of
15 where we are, in order to identify the exact
16 level of the cervical spine, and that's done
17 with a needle placement.

18 Q. Now, in relation to the placement of the graft,
19 when was that done?

20 A. As soon as the graft is inserted.

21 MS. REINKER: The needle placement
22 film. I think that's what he's asking.

23 A. What are you asking?

24 Q. First you were talking about the first two
25 X-rays, the first was a needle placement film?

1 A. When is that done?

2 Q. When is that done in relation to the graft
3 insertion?

4 A. The needle placement is done as soon as the
5 spine is exposed in the very beginning of the
6 procedure, that's like 10 or 15 minutes into the
7 procedure. The other X-ray is taken as soon as
8 the graft is placed, regardless of how long the
9 operation takes.

10 Q. So from what I understand, then, there were two
11 intraoperative X-rays on the first operation;
12 one was the needle placement, and the second one
13 was after the graft was in place, is that right?

14 A. Yes.

15 Q. There weren't any others?

16 A. Not that I'm aware of.

17 Q. Who did the graft harvesting? Did you do that
18 or did the residents do that?

19 A. I believe I was the major surgeon and did all of
20 this.

21 Q. Would you have left the graft harvesting to a
22 resident?

23 A. Occasionally in smaller graft procedures I might
24 do that, but usually I do it myself, Certainly
25 in a case like this I would do it myself.

1 Q. And the records should reflect that?

2 A. I think they do. I dictated the whole note,
3 although sometimes the residents themselves
4 dictate notes for us.

5 Q. So I take it, then, when you do the graft
6 harvesting, you not only do the harvesting
7 itself, but you do the incision and everything
8 else that leads up to that?

9 A. Absolutely. What do you mean, the graft or the
10 cervical --?

11 Q. No, the graft.

12 A. Occasionally we'll have the fellow or the
13 residents expose a graft site, because that's
14 pretty uncomplicated, but I think in this
15 situation I did it all.

16 Q. How difficult was this operation that you did?

17 A. Well, I think it's a very major procedure that's
18 one of the more difficult and tedious operations
19 that can be done in the cervical spine. On the
20 other hand, we pioneered this type of surgery
21 and have been doing it for 20 years.

22 Q. Did you talk to Mr. Lilley about the success
23 rate that you would have with the surgery?

24 A. By success, I'm not sure what you mean.

25 Q. Did you talk to Mr. Lilley about the success

1 that you had had with the surgery?

2 A. I'm sure I talked to him about the potential
3 benefits as well as the complications, potential
4 complications,

5 Q. Did you tell him something to the effect, this
6 is, we've done this a lot, we know what we're
7 doing, you should have a good result from this?

8 A. I'm sure I told him we were experienced in doing
9 this kind of surgery and that we had done many,
10 many patients over the years and that the
11 success rate was very high for relief of pain.

12 Q. Did you tell him you had ever had a complication
13 before resulting from this surgery?

14 A. I think I told him we had never had a paralysis
15 before. I don't recall whether I talked to him
16 about graft dislodgment in the other direction.
17 I have never had one dislodge backwards before.

18 Q. Is a posterior dislodging a known complication
19 of this surgery?

20 A. Well, it's possible and I suppose known. I had
21 never had that occur in this fashion, Sometimes
22 when we see this during the procedure, if there
23 is some displacement towards the spinal canal,
24 we will replace the graft or reinsert it, but I
25 had not had anybody kick one back into the canal

so to speak.

Q. You say on occasion you have had this happen where it goes into the canal and you have been able to visualize it and you make amends?

A. No, no. I didn't say that, I said occasionally the graft is not positioned to our satisfaction, meaning it may be cut back a little bit towards the spinal canal. I have never had one protrude into the spinal canal during the surgery that I'm aware of,

1

1 Q. How can you tell the former situation that you
1 have indicated? In other words, how can you
1 tell when that happens?

1 A. The only way you can tell is by obtaining a
1 lateral or X-ray from the side, in most people.

1 Q. On those occasions when it's happened, has the
1 piece of graft gone into the spinal canal, or
1 has it not affected the spine or spinal cord?

1 A. I have never had that happen before or since. I
2 mean protruding into the spinal canal. I have
2 never had that happen.

2 Q. It's not something that you would expect to
2 happen in a surgery?

2 A. No. I've had grafts pop out the other way, and
2 that's a problem too, but it doesn't cause

1 paralysis.

2 Q. Dr. Dudley sent a letter to you indicating that
3 a posterior placed graft is a known
4 complication. In other words, he says the fact
5 that a graft was placed posteriorly at first was
6 a known complication. Do you remember getting
7 that letter?

8 **A** I received a couple letters from Dudley.

9 MS. REINKER: What's the date on
10 that, Rick?

11 MR. TOPPER: It's one of his first
12 letters. It's the October 3rd letter,
13 1990.

14 **A** So what is the question?

15 Q The question is, is what Dr. Dudley says, is
16 what he's saying in that letter a fact? In
17 other words, do you know that to be the case, do
18 you agree with that?

19 **A** No.

20 MS. REINKER: What you're referring
21 to, the statement just about the posterior
22 placement as it being a known
23 complication?

24 MR. TOPPER: Yes.

25 **A** I don't know whether it's a known complication

1 of Cloward type of graft procedures. I mean I
2 suppose it's possible with this type of
3 procedure, I mean, but again, I had never seen
4 it occur in my experience in many hundred
5 patients.

6 Q. Do you know of anything in the literature about
7 a complication happening like this?

8 A, I believe there may be something in the
9 literature with respect to the type of Cloward
10 grafts causing a complication like this. I
11 don't remember a specific article on
12 complications that talk about this type of graft
13 in this situation protruding posteriorly.

14 Q. Do you know what he's saying when he says the
15 fact that the graft was placed posteriorly at
16 first was a known complication, what he's
17 referring to?

18 MS. REINKER: Objection.

19 Dr. Dudley would have to explain that, You
20 can't ask him to say what Dudley means.

21 MR. TOPPER: He corresponds with
22 him enough.

23 Q. If you know, if you don't know, that's fine.

24 A. I'm not sure the graft was placed posteriorly in
25 the first place. At least I was not aware of

1 that during the operative procedure looking at
2 it.

3 Q. Did you talk to Dr. Dudley about the surgery?

4 A. Yes.

5 Q. How would the graft be placed posteriorly?

6 A. It would be very difficult to do. I mean
7 technically when we insert the graft, you
8 usually place it in superiorly first into a
9 little hollow in the bone above, and then tap it
10 in with a bone tap below while the patient is in
11 skull traction to sort of distract or pull the
12 vertebrae apart, so to speak, and so the graft
13 is carefully tapped into place under direct
14 visualization, and I think it would be very
15 difficult to protrude it posteriorly.

16 In addition, there is a ligament that runs
17 along the back of the vertebral body, and that's
18 an additional protection against protruding the
19 bone post back into the canal.

20 Q. If Dr. Dudley may have misquoted and said that
21 the graft was displaced posteriorly, would that
22 change things? I'm just trying to think of a
23 way he could have come up with this in the
24 letter.

 It was displaced but I don't know when that

1 occurred to be honest. When I was looking at
2 it, it looked fine or I wouldn't have left it
3 that way visibly, and everything technically
4 went fine as far as I was concerned.

5 Q. You discussed with Mr. Lilley how the graft
6 angulated distally into the canal, is that
7 right?

8 A. When we discovered this only by getting a CAT
9 scan and after we noted his right side wasn't
10 moving properly, eventually when he was awake an
11 unknown number of times in his room, I even made
12 drawings for him to show him how the graft was
13 displaced and why the spinal cord compression
14 occurred from the technical standpoint.

15 Q. Was it distally displaced?

16 A. Yes.

17 Q. My understanding is the operation took about
18 three hours and 45 minutes, just looking at the
19 anesthesia notes, I'm sure that's from the time
20 the anesthesia started until the time --

21 A. Anesthesia starts around 8:00, and I think we
22 finished up around noon. I don't know the exact
23 time of the operative procedure, but usually
24 it's at least three-quarters of an hour into the
25 anesthetic before the operation proceeds.

1 Q. Within that time frame, when would the graft
2 have been placed?

3 A. Just before the end of the procedure. The major
4 portion of the procedure is doing the
5 decompression, that's the most tedious and long,

6 Q. How much is involved in actually doing the
7 closing and, in other words, you put the graft
8 in, and I imagine you close, how much is
9 involved in the closing procedure?

10 A. Not very much, it's very easy to close this type
11 of neck wound, it only takes about 10, 15
12 minutes.

13 Q. So if an operation takes three hours and 45
14 minutes, or at least the anesthesia is
15 administered for three hours 45 minutes, when
16 within that time frame would the graft be
17 placed?

18 A. Within the last 30 minutes,

19 Q. I'm going to hand you a physician's order record
20 that I have taken out of the chart, and ask if
21 you could please read it, Is this your
22 handwriting on the top line here, 7/7?

23 A. No.

24 Q. Can you read that for me?

25 A. Hold cimetidine for on call quote, unquote.

3 Give Versed as ordered on call, quote, unquote.
2 Looks like Dr. Lamel and probably a nurse's
1 signature, and then he signed it later.

4 Q. What does Dr. Lamel tell us in that note, or
5 what is he telling the nurses in that note?

6 A. It's a preoperative sedation order.

7 Q. Could you read Line 10 there?

8 MS. REINKER: On the same page?

9 MR. TOPPER: Yes, Line 10 under,

10 I'm sorry it's listed as Item Number 10.

11 A. Insert spirometry.

12 MS. REINKER: Is that incentive?

13 A. Maybe incentive spirometry, q, every hour, I
14 believe, I can't read the last two letters.

15 Q. What's that?

16 A. That's just a little machine that people blow
17 into to keep their lungs clear, and they teach
18 the patients before the surgery.

19 Q. Okay. Once you took Mr. Lilley in the recovery
20 room, did you accompany him into the recovery
21 room?

22 A. I don't recall. There is always a physician
23 that goes with them, I don't remember whether I
24 went specifically. Frequently I do not, and I
2 wait until they are waking up a little bit to

check them, and usually I don't necessarily go with them. There is always the anesthesiologist or a resident and physician. Sometimes I do.

Q. You did an X-ray, and it looks like at 11:45 and you saw whatever it is that was in the report. Could you tell us what your interpretation of what you saw in the report was after you placed the graft and that X-ray that was done around

9

10 A.

11

12

13

14

15 Q.

16 A.

17

18

19 Q. What was the purpose of doing that X-ray?

20 A. It's a routine to see if the placement of the
21 graft was proper.

22 Q. Did that X-ray tell you that the graft was
23 placed properly?

24 A. Not entirely, only the upper portion, and that
25 was very difficult because of Mr. Lilley being

1 such a large individual, stocky shoulders.

2 Q. Did you know that going into the operation?

3 A. Yes.

4 Q. Did you know -- you knew then that you would

5 have a difficult time visualizing the graft?

6 A. Sometimes this occurs in people of his size,

7 yes.

8 Q. It's something you knew going into the surgery?

9 A. Yes.

10 Q. And something definitely that you knew after the

11 first X-ray was taken intraoperatively early on

12 in the surgery, is that right?

13 A, Yes.

14 Q. So by the time 11:45 came around, there was

15 really no way that you could tell by X-ray

16 whether or not the graft was properly in place,

17 is that right?

18 A. Well, what we try and do if the initial X-ray

19 does not show the lower portion of the spine,

20 the only thing you can do is increase the

21 penetration of the second X-ray. In other

22 words, increase the voltage and try and

23 penetrate the thick shoulders, but it didn't.

24 So we could not see the distal or distant part

25 of the graft.

1 Q. Was the distal or distant part of the graft the
2 one that actually went into the spinal canal?

3 A. Yes.

4 Q. Now, you went into -- Mr. Lilley went into the
5 recovery room, and do you know how long he was
6 in the recovery room before it was that he woke
7 up and it was discovered that there was a
8 problem?

9 A. Well, I was called at 12:45. I'm not sure
10 exactly when he got to the recovery room, but it
11 was very soon afterwards. I mean relatively
12 speaking it was fairly soon,

13 Q. When a spinal cord injury occurs where you have
14 a situation like this, you have a bone graft
1 going into the spinal canal, how long is it
1 before the condition which happens is
1 irreversible?

1 A. I don't think that is predictable.

1 Q. Do you have a time frame?

2 A. No.

2 Q. Would it be minutes?

2 A, There is no time frame. The only predictable
2 factor in neurologic deficits like this is
2 completeness of the deficit. If you have a
2 complete spinal cord injury that lasts for more

1 than 48 hours, then that's permanent.

2 Q. As time goes on, if there is a spinal cord
3 injury, as time goes on, is it more likely to
4 have an adverse affect on the patient?

5 A. That's never been proved in the literature.

6 Q. So that if an injury occurs, if an injury is
7 immediately discovered, let's say 30 seconds
8 after it happens, there would be no difference
9 in treating it then as opposed to treating it
10 two-and-a-half hours after it happens?

11 A, No, that's never been proved.

12 Q. Do you have an opinion as to whether or not
13 there would be an increase in the likelihood of
14 injury at 30 seconds plus injury as opposed to
15 two-and-a-half hours plus injury?

16 A. Based on my own experience for 20 years and
17 dealing with spinal cord injuries, there is no
18 proof of time element and the length of time
19 after the injury occurs and doing a
20 decompression and getting recovery or
21 necessarily the severity of the injury.

22 Q. Could you read the nursing note that I have here
23 at 11 -- it's indicated 11:25. You don't have
24 to read it out loud.

25 A. What are you asking me?

1 Q. Just are you familiar with that note?

2 A. I've seen this, I don't think I've read it.

3 Q. Could you just please read it?

4 MS. REINKER: The entire note, do
5 you want him to read it out loud?

6 MR. TOPPER: No, he can read it to
7 himself.

8 A. I'm not sure I can read all of the writing.

9 Q. Why don't you go ahead and read it out loud as
10 much as you can,

11 A. Admitted to RR, recovery room, in the --
12 intubated, That was correct, his tube was still
13 down, with 8.5, ET tube. I think that may have
14 to do with the oxygen flow, maybe the 8.5 is the
15 size of the tube,

16 MS. REINKER: This is all out of
17 his field, he's not familiar with nursing
18 practices.

19 A. 40 percent oxygen on something, T-price, which
20 is the breathing tube. RS, I don't know what
21 that is, respirations I suppose, are regular but
22 shallow. Breath sounds are clear bilaterally.
23 Skin is -- I can't read it, something. Lips and
24 nails, something, are pink. Apical is regular,
25 I suppose that means pulse.

1 Patient's cardiac monitor -- this is cut
2 off here, but probably on cardiac monitor strips
3 as shown. Anterior neck dressing is dry; 2
4 posterior brace on; left iliac dressing is dry.
5 I think bilateral, it's abbreviated, thigh high
6 hose on, socks. Those are stockings on the
7 patient.

8 Moves left leg and toes; left arm and hand
9 well. Patient not moving right leg or toes,
10 denies sensation there. More, it says more
11 right arm slightly -- moves right arm slightly,
12 but I don't see the S on there. I think this is
13 cut off on the copy, but not fingers.

14 Dr. Lamel, I don't know what this word is,
15 Lamel is the resident, I.V. infusing right hand
16 I.D. I don't know what that means. CD right
17 wrist.

18 Q. That's enough. Now at that point in time, it's
19 apparent that the nurses are saying that he's
20 having a problem with his right side, not moving
21 the hand, not moving?

22 A, Well, okay.

23 Q. Is that evident to you in that note?

24 A. I think it's evident in the note, but I'm not
25 sure about the time. I think there was a

1 discrepancy when anesthesia stopped, which looks
2 like about 12:00.

3 Q. And that note is 11:25?

4 A. This says 11:25, which doesn't make sense. I
5 think there is a nursing note that says he
6 didn't get to recovery until about 12:20
7 approximately.

8 Q. Let's say that's 12:25. If that's the case,
9 then at that point in time with those findings,
10 what should have been done? Dr. Lamel sees that
11 going on, what should have been done?

12 A. They did what should have been done, they called
13 me.

14 Q. When did you arrive?

15 A. I think 12:45, immediately after this.

16 Q. Now, it indicates, well, there it says an
17 hour-and-a-half, maybe 20 minutes, 20 minutes is
18 okay, I take it. In other words, if that was
19 12:25 when he got those findings and you arrived
20 at 12:45, that is okay?

21 A. That doesn't bother me, plus he was just waking
22 up. It's very difficult to assess true motor
23 function when somebody is just waking up.

24 Q. And then when is the CAT scan ordered according
25 to those notes, do you know?

1 A. Well, as soon as I saw him and went over him and
2 was convinced in my own mind as he woke up that
3 there was something wrong, the first thing I did
4 was called radiology and ordered emergency --
5 no, the first thing I did was attempt another
6 portable lateral X-ray of the cervical spine,
7 this time manually pulling his arms down myself,
8 or at least somebody did, I know that.

9 Q. Okay. Is there an X-ray report on that? That's
10 another one that I can't --

11 A. There is another X-ray that I have seen that is
12 in the recovery room.

13 Q. I just didn't see a report.

14 A, Well, I'm not sure I saw it either.

15 MS. REINKER: Do you want: us to
16 stop and look for that report?

17 MR. TOPPER: Yes.

18 Q. I'm sorry. I see one 12:55 hours?

19 A. That must be in the recovery room, yes, that's
20 about the right time.

21 Q. Okay. That 12:55 X-ray says there is a
22 resection of the inferior, end plate of C-4 and
23 the superior end plate of C-5 overlying
24 intensity of C-5 identified which is unknown
25 etiology. What did that tell you?

1 A' Well, I looked at the films right there in the
2 recovery room, and I couldn't see the distal
3 graft on that either, I don't know whether that
4 was too thick or what they mean by that, but the
5 graft was, is not and was not visible distally,
6 and that was the reason we ordered the emergency
7 CAT scan.

8 Q. Do you recall, then, what time he was taken back
9 into the operating room?

10 A. Well, at that point I went immediately around
11 to -- I arranged for the emergency CAT scan. I
12 went around to talk to Mrs. Lilley, explained
13 everything to her, what I thought might be the
14 problem with his graft displacement, but I
15 couldn't see the graft and that we were going to
16 do an emergency CAT scan to try and identify
17 it.

18 I came back to the recovery room, and with
19 the residents and fellow, manually helped take
20 him down to the CT scan myself, stood there
21 while they did the CT scan, and as soon as we
22 identified the bone graft, the distal part of
23 the bone graft protruding into the spinal canal,
24 I told them to take him back up to the operating
25 room immediately. The tube was still down which

we left there,

I talked to Mr. Lilley, though he may not recall that. He was awake enough at that point to hear me and respond. He still had his tube down, and I explained to him what was going on, that we had to take him back and replace the graft. I went around to see his wife while they were getting him ready, and she wasn't in the room, she may have been making a phone call. And then I went back to the operating room, but I don't know the exact timing, but anesthesia here is like the beginning at 1400 hours, so that's like 2:00.

Q. On that 11:45 X-ray, it indicates on the report the patient is status post resection of osteophytes at the --

A. On 11:45?

Q. Yes. Read your copy is better.

A. Here's 11:45, patient is status post resection of osteophytes of the anterior aspects of C-4-5, with placement of fibular bone graft at this level.

Q. 11:45, there wouldn't have been a fibular bone graft in place, would there?

A. If that's the correct time, no.

1 Q. Fibular bone graft was not until later, correct?

2 A. Yes.

3 Q. So that record is incorrect?

4 A. If the time is correct, your statement is
5 correct.

6 Q. Is there, then, an X-ray, at least an X-ray
7 report that you have in your chart which is
8 indicative of the intraoperative X-rays from the
9 first operation?

10 MS. REINKER: Are you assuming that
11 this one is not, this 11:45 on the 7th?

12 Q. I would assume you would say it's not.

13 A. No, I would say if that's the correct time, it
14 had to be after the graft was placed during the
15 first operation, but they are just mistaking
16 this for fibula, and it's really the iliac bone
17 graft. That's what I think it is, because
18 that's the right time, that's just before the
19 end of the procedure.

Well, would a radiologist be able to tell
difference between a fibula and iliac b
graft?

23 A. Usually, but you can't see most of this graft
24 anyhow, and it's a fairly large graft, and if
25 it's dense enough, it might look like fibula,

1 they might mistake it as fibula. I think that
2 would be very difficult to call on a bad film
3 like this.

4 Q. When you originally did this, did you anticipate
5 doing a fibula bone graft or iliac bone graft?

6 A. No, we planned an iliac bone graft.

7 Q. When did you first know that the iliac bone
8 graft was not sufficient?

9 A. Well, we -- it's not that it isn't sufficient,
10 usually they are sufficient. Like the case I
11 did yesterday, we removed two vertebra and used
12 an iliac graft,

13 When the length of the graft gets longer or
14 you have to make a graft longer, which in this
15 case, when we were going back in, I decided to
16 make a little longer graft so that it may fit a
17 little more tightly or a little bit better or
18 maybe have to remove some of the vertebral body
19 and increase the distance of the decompression,
20 we decided to use the fibula.

21 Q. Do you generally send a bone graft such as that,
22 for lack of a better word, a failed bone graft,
23 like the iliac sample, would you generally send
24 that to pathology?

25 A. Usually it is, I don't remember whether we did.

1 Usually it is sent to pathology.

2 Q. For what purpose?

3 A. Because they tell us by law you are supposed to
4 do this, but I don't remember whether it was or
5 not. I mean they have -- anything that's
6 removed, including hardware, they ask us to send
7 to pathology so they can at least identify it,
8 They don't necessarily make microscopic sections
9 of it.

10 Q. But that's something, at least, that you are
11 required to do, is that right?

12 A. Normally if something is removed, although, you
13 know, when we are doing bone grafts, there are
14 many times pieces of graft that we may not use
15 aren't necessarily sent to pathology. I don't
16 recall whether this was or not,

17 Q. She's showing you a note that I'm aware of that
18 indicates that there was apparently an empty jar
19 sent to pathology?

20 A. I don't know how or why that happened, to be
21 honest.

22 Q. Would that indicate that it was apparently
23 removed but somehow didn't make its way to
24 pathology?

25 A. That's possible. I'm sure I was more concerned

about the operation than getting the old bone graft to pathology.

Q. Was Dr. Friedlander involved in this surgery?

A. Friedlander, I'm not sure I know who that is.

Q. I'm looking at an op note of 7/7?

A, I honestly do not know who that is. He may be one of the rotating residents from Sinai or an intern, I don't know, I don't recall, He's not one of our residents.

Q. I'm going to hand you a July 7th operative note, 1988, it indicates here -- this is your signature at the bottom of that, is that correct?

A, Yes.

Q. It indicates here 12:30 p.m. Is that the time you would have entered this in the chart?

A, Approximately.

Q. So it's not a -- I'm trying to think of a Latin term, which I can't think of -- a nunc pro tunc entry. In other words, it's not an entry that you made say at 1:00 or 1:30 and then placed in there at a 12:30 time?

A. I don't think so. And this is approximate. I'm sure I looked at the clock when I saw him and then wrote the note on or about that time, but

1 usually in a situation with paralysis where you
2 want to time it and watch it to see whether it
3 >recovers, it's important to put a time in the
4 approximate time

5 Q. Okay. So that note was put in there before he
6 had been taken back to surgery, is that right?
7 In other words he had been taken back to
8 surgery at 2:00?

9 A. Yes.

10 Q. In that note you indicate you believe he may
11 have a piece of the osteoporotic graft
12 protruding distally against the cord. And you
13 write that in?

14 A. Yes, that's correct.

15 Q. What caused you to believe at that point first
16 that it was osteoporotic?

17 A. I don't recall exactly. but if I made that
18 statement. it's probably the fact that the bone
19 graft felt not as hard as a usual iliac graft
20 when it was inserted

21 Q. And how about the fact. then. you indicate at
22 that point that it was, what, I'm sorry, that it
23 was protruding distally against the cord. Now
24 did you surmise that at that point. because
25 that's apparently before the CAT scan?

1 A. As I say here, I believe he may have a piece of
2 the osteoporotic graft protruding distally
3 against the cord, That was surmise on my part
4 based on the fact I only saw the proximal graft
5 and that he had the paralysis, and that was the
6 only conceivable thing that I could think of
7 that would produce the paralysis, because
8 nothing occurred during the surgery to injure
9 the spinal cord that I was aware of, and that's
10 why I ordered the CAT scan,

11 Q. Did the fact that it was necessary to use
12 another piece of bone to snug up the graft
13 during the first surgery cause you to think that
14 this might have happened also?

15 A, No, not at all.

16 Q. What is it about a piece of bone that causes you
17 to feel that it may be osteoporotic as opposed
18 to nonosteoporotic?

19 A, As I just mentioned, it's a feeling when you
20 clamp the bone with a clamp, when you are
21 holding it to manipulate it, or put it in, or
22 when you are removing it with the saw and the
23 little chisel we use to remove it, you can feel,
24 I mean it's a sense, just based on experience,
25 and there are all aggradations of that.

1 Q. At least this was a grading enough that you were
2 able to feel?

3 A. It felt softer than normal.

4 Q. Is there, is it then common, standard, then, to
5 put a bone which was softer than normal, which
6 is osteoporotic into a graft site such as this?

7 A. We do it all the time, and only if somebody had
8 pure mush for bone, like some of the rheumatoid
9 arthritic patients, would we possibly want to do
10 something else, but that's very unusual.

11 Osteoporotic bone does heal,

12 Q. How do you measure the bone to be used, in other
13 words, the graft to be used in the site?

14 A. We use what is called a malleable probe, it's a
15 bendable silver probe that's literally bent to
16 the approximate length and placed in where the
17 bone graft is going to be placed, and we measure
18 that by ruler if necessary, but it gives us a
19 fairly accurate measurement of the length of the
20 bone graft.

21 Q. Do you recall why, do you know why the bone
22 drifted distally into the cord?

23 A. Do I know why?

24 Q. Yes.

25 A, No.

1 Q. Was it the size?

2 A. I don't think so.

3 Q. Was it the fact that it was osteoporotic?

4 A. No.

5 Q, Do you know of any other cause for it to drift,
6 if it wasn't the size, if it wasn't it being
7 osteoporotic, can you think of any other cause
8 why it would drift distally into the cord?

9 A, I'm not sure when it occurred, but it occurred
10 sometime before he woke up in the recovery
11 room, I mean, we have to move patients and lift
12 them to a certain extent, that is some
13 manipulation, although the brace is put on
14 before the patients are fully awake, but you
15 have to transfer them onto a bed and transport
16 them to the recovery room, and sometimes
17 patients are very restless, and I don't recall
18 this because I wasn't there, restless in the
19 recovery room, and they can kick out a graft, or
20 at least in the front way. I have just never
21 seen one pop out the back like this in this
22 situation.

23 Q. Is there anything in the records which indicates
24 him being restless to the point where he would
25 do that?

1 A. I don't recall.

2 Q. Do you want to take a look at the records here
3 and see if you can see anything in that regard?

4 A. I don't think so from what I read earlier.

5 Q. Who is responsible for the handling of the
6 patient from the time the graft is in there to
71 make sure the patient goes in safely into the
8 recovery room so that the graft is not either
9 distally or proximally popped out?

10 A. One of us or all of us, meaning the physician,
11 surgeons watch the patient. As I mentioned
12 earlier, it is standard care to take, for some
13 physicians, the surgeon team, to go with the
14 patient to the recovery room and to help
15 transport him. Sometimes I help lift the
16 patient, I don't recall whether I did in this
17 situation, and the anesthesiologist also goes
18 with the patient.

19 Q. Did you talk to doctor, have you ever talked to
20 Dr. Lamel about this?

21 A. I'm sure I did after this all occurred, but I
22 don't -- he's been gone for a couple of years,
23 so I don't think I've talked to him since then.

24 Q. Do you recall Dr. Lamel saying anything about
25 any movement or any sudden movement of the

1 patient which would cause this graft to distally
2 pop into the spinal canal?

3 A. I don't recall him saying that.

4 Q. To your knowledge what kind of manipulation
5 would have to occur with this particular bone
6 graft to cause that to happen?

7 A. It would probably have to be some sort of
8 flexion mode of the head.

9 Q. Is that flexion mode of the head something you
10 know is to be absolutely prohibited, in other
11 words, to be guarded against at all costs?

12 A. Well, we try to prevent that, and that's why the
E3 brace is put on.

14 Q. Was there a brace in place during this time,
15 during the transport?

16 A, Yes. But even with halo or even more rigid
17 immobilization, grafts can, as I have seen, pop
18 out the front, not the back,

19 Q. What type of situations have you seen where they
20 do pop out of the back?

21 A. I have never seen that,

22 Q. I mean pop out the front?

23 A. Usually with long, longer grafts like this in
24 patients usually not with the kyphosis, but
25 sometimes it can occur.

1 Q. In the absence of some type of flexion motion,
2 if you have a good sized graft, would this
3 occur?

4 A, It can.

5 Q. Under what circumstance, let's take away now the
6 size, let's take away that quality out of the
7 equation, and let's take away the flexion, what
8 other type of circumstance can occur which would
9 cause this?

10 A, I have had patients have a respiratory arrest,

11 Q. Did that happen here?

12 A. It did not happen,

13 Q. Let's take away the respiratory arrest, anything
14 else?

15 A. I've seen it occur seemingly spontaneously just
16 without any known cause popping out the front.
17 And we don't know what caused it. I've seen
18 some people fracture the vertebra where it's
19 seated and pop it out the front.

20 Q. Why is it more difficult to pop it out the back,
21 or excuse me, pop it out the front as opposed to
22 going the back?

23 A. Why is it more difficult to pop out the back
24 than the front?

25 Q. Yes.

1 A. Because there is a ligament, as I mentioned
2 earlier, that runs along the back of the
3 vertebra that we purposely leave intact as a
4 protector of the spinal cord, and although I
5 suppose bone can protrude against that, that
6 makes it more difficult and really almost
7 unheard of for this to occur.

8 Q. Was that ligament in place when you went back in
9 there?

10 A. We never took it out, I mean we never took the
11 ligament off.

12 Q. It was in place?

13 A. Yes, as I recall, yes.

14 Q. How did this piece of bone, then, get beyond
15 that ligament and go into the spinal canal?

16 A. It doesn't go so-called beyond the ligament, it
17 protrudes against the ligament which then
18 stretches, it's like a rubberband.

19 Q. Did you find that this ligament was stretched?

20 A. Well, the graft was protruding against it. I
21 think I mentioned this in my note.

22 Q. Is this evident on the CAT scan?

23 A. You can't see the ligament on the CAT scan, but
24 you can see the bone graft protruding into the
25 spinal canal.

1 Q. Would this particular ligament be something that
2 you would be able to see on a CAT scan?

3 A. No,

4 Q. Would your operative notes reflect the fact that
5 the bone graft was protruding against the
6 ligament?

7 A. I believe so. I don't know whether I mentioned
8 the ligament per se, but yes, I mentioned after
9 the graft was removed at this point it wasn't
10 against the posterior longitude, and the
11 ligament lined up quite nicely, and there
12 appeared to be no compression of the spinal
13 canal nor of the posterior longitude in the
14 ligament. So it was intact,

15 Q. What type of force, then, would cause that? I
16 mean it would require a degree of force
17 internally or whatever for that graft to stretch
18 the ligament to go into the spinal canal, would
19 you agree?

20 A. Sure. There has to be some force. I mean I
21 have no idea because I can't document that, but
22 maybe he was straining waking up, maybe the
23 nurses notes don't say that, but maybe he was
24 coughing. I have had people cough their grafts
25 out the other way.

1 Q. The other way?

2 A. So when people are waking up in recovery, they
3 can do all kinds of things that might protrude a
4 graft, but I really don't know, But obviously
5 some sort of force had to be applied,

6 Q. What was the size of the fibular graft as
7 compared with the size of the iliac graft?

8 A. I probably don't have exact millimeters, it was
9 just slightly longer.

10 Q. Why did you make it slightly longer?

11 A. Usually when we replace a graft, we take off a
12 little bit more of the distal or the vertebra
13 below just to see it better.

14 Q. Is that indicated in the operative note?

15 A. I usually don't put in the exact millimeter
16 measurements of the graft, and the other reason
17 we used the fibula in his situation, as I
18 mentioned here, is the iliac graft appeared to
19 be somewhat osteoporotic, so I thought this
20 might give more structural support,
21 approximately one and three-quarter inches,
22 measured one and three-quarter inches in
23 length. I don't know what the length of that
24 iliac graft was.

25 Q. When you took out the iliac graft during the

1 second operation, did it feel any different to
2 you than it did when you were getting it ready
3 for the first operation?

4 A No

5 Q In your operative note, you indicate that during
6 the second operation indeed his iliac graft was
7 somewhat soft and osteoporotic. Did not think
8 it would be good structural support?

9 A. Yes.

10 Q Were you considering at that point in time
11 placing the iliac graft that had been previously
12 placed in there in a different position and back
13 in again?

14 A I'm sure that was a possibility, yes, but he
15 prepared his. prepared his left leg, or I think
16 it was the left just in case because we
17 thought we might want to use the fibula graft
18 What was it that caused you in the second
19 operation to think that the iliac graft would
20 not be a good structural support that you didn't
21 think about in the first operation?

22 A I don't think anything special. I think I just
23 prepared to use the fibula in case we needed a
24 little longer graft because sometimes that's
25 necessary if you have to replace a graft. And

1 in view of the fact that it felt slightly
2 osteoporotic in the beginning, I felt maybe we
3 should be prepared to use the fibula graft if
4 necessary. But again, you can use osteoporotic
5 grafts, and they do heal, and it's not like he
6 had an unstable spine like in a fracture
7 dislocation. I mean he had a long standing
8 fusion, so it wasn't unstable from that
9 standpoint that you would worry about some
10 osteoporosis in the grafts.

11 Q. Why wouldn't it, then, be used in the second
12 operation?

13 A. I think probably because it required a little
14 longer graft, I mean we wanted a little tighter
15 fit.

16 Q. Didn't you cure this in the first operation,
17 cure this in the first operation with another
18 piece of bone to snug it up?

19 A. I thought so.

20 Q. Would you have done that in the second operation
21 also?

22 A. I could have, but again, sometimes when people,
23 at least it's been my experience, when I had to
24 go back and replace a graft, sometimes the neck
25 stretches a little bit so to speak, and then you

attempt skull traction twice and you just need
a longer graft, that's just based on my own
experience.

Q. But in any event, what you felt could be cured
by a piece of bone in the first operation to
snug it up, you did not think the same thing
could happen in the second operation?

A. Well, I didn't know that until I got there that
we needed a little longer graft.

Q. What was your experience -- strike that.

What were your goals as far as treatment of
the patient for the operation had the
complications not ensued?

A. I think the main goal was relief of pain by
decompressing the spinal cord. I think also
prevention of paralysis, which can occur late
after this kind of deformity in the long
standing spinal cord compression. I think those
were major goals. He also had significant
difficulty walking distances and was having
trouble functioning, and I thought by
decompressing the spinal cord, this would be
improved significantly.

Q. Did his symptoms progress from January when you
first saw him until the time he was

1 hospitalized?

2 A. I don't know whether they progressed in that
3 period of time, but he was progressing in the
4 year, approximately the year prior to the
5 surgery, He was getting worse and having more
6 complaints of intolerable pain and more trouble
7 getting around.

8 Q. Did you feel a necessity to do the surgery in
9 July of 1988? In other words, could the surgery
10 have been done just as easily in August of 1988,
11 September of 1988?

12 A, Yes, No, I did not feel, no. In answer to the
13 first question, yes he could, it was purely
14 elective, and I allowed him to make a decision
15 on when to do it.

16 Q. Why wasn't the spinal cord monitoring equipment
17 available that day?

18 A. I think probably it was being used on one of the
19 deformity patients, as I recall.

20 Q. Would there have been a problem with kicking
21 Mr. Lilley's surgery to the next day or the day
22 after that?

23 A. That would be a major problem.

24 Q. Just because of the scheduling?

25 A. The scheduling and the logistics of my schedule,

1 I can't do that. I just don't have the time in
2 the day, and everything is prescheduled six
3 weeks, eight weeks ahead of time.

4 Q. Did you discuss the fact 'chat the monitoring
5 equipment was not available with Mr. Lilley?

6 A. I don't recall whether I discussed it with him
7 the night before the surgery. I don't think I
8 saw him until later in the evening before the
9 surgery.

10 Q. When did you first know that the monitoring
11 equipment was not available?

12 A. I probably, I don't recall, but in the evening
13 the technician is not around, so I don't really
14 recall exactly when. It may have been the next
15 morning.

16 Q. Can you recall discussing this with Mr. Lilley
17 the unavailability of the spinal monitoring
18 equipment?

19 A. I don't recall whether I did or not.

20 Q. Did you -- you told him that you would be using
21 spinal monitoring equipment?

22 A. No, I told him -- well, at least in my note, I
23 thought it might be a good idea to use it. I
24 didn't think it was mandatory.

25 Q. Why did you tell him it might be a good idea to

1 use it?

2 A. For some of the reasons we talked about, and
3 that is, too, I don't know whether I told him of
4 my academic interest. Usually I don't say that
5 to patients. Possibly for monitoring the spinal
6 cord function for doing the decompression, but I
7 remember telling him that we had never had any
8 neurologic problems before in this type of
9 situation, but that we would probably just use
10 it anyway.

11 Q. Since the first visit, did you talk, did you
12 discuss with him the use of the spinal
13 monitoring equipment again, in other words, as
14 an added precaution?

15 A, I don't think we had any conversations over the
16 phone. I think it was just -- you mean between
17 the first visit and the April admission?

18 Q. Between the first visit and the July operation.

19 A. I don't remember, I'm just looking at my notes
20 to see if I have it in my notes. I just have a
21 discharge summary in April where I have mostly
22 the myelogram results and that sort of thing. I
23 don't recall whether I talked to him on that
24 day, it's like three years plus.

25 Q. I understand. Did you ever write the Social

3 Security Administration regarding Mr. Lilley or
2 provide them with any records?

1 A. I don't remember, maybe my secretary did at some
4 request. For what purpose?

5 Q. For his disability.

6 A. I don't recall.

7 Q. Did you know he was on total disability now?

8 A. No.

9 Q. Dr. Bohlman, did Mr. Lilley have any problem
10 with spasticity in his right leg before this
11 surgery?

12 A. I do not think he had overt or what we would
12 call gross spasticity.

14 Q. Did he have spasticity in his right arm prior to
15 this surgery?

16 A. Not to any great extent.

17 Q. When you say not to any great extent, did he
18 have some?

19 A, I don't recall that he had any reflexes that
20 would indicate spasticity that you could
21 document.

22 Q. The main reason for the operation that I saw was
23 pain as opposed to actually neurologic
24 compromise, is that right?

25 A. No, as I mentioned, pain was one of the major

1 reasons for doing the decompression, but
2 patients with this kind of long standing cord
3 compression and deformity can develop neurologic
4 deficit later on. We have certainly seen that
5 many times.

6 Q. But that at least hadn't happened up until the
7 point you did the surgery on Mr. Lilley?

8 A, Well, the fact that he was having more
9 difficulty walking, indicates more cord
10 impairment,

11 Q. Do you know whether that was a function of an
12 actual neurologic problem or a function of the
13 pain he was having?

14 A. I think it was a function of neurologic
15 impairment, This is very common with spinal
16 cord impairment.

17 Q. Was that as to both the left and the right leg?
18 Was it on the left and right side, or just the
19 right side, or do you know?

20 A. Well, the fact that he's complaining of walking
21 and fatigue and not going distances, I would
22 think it would be both sides.

23 Q. Did he have any bladder problems before this
24 date, to your knowledge?

25 A. No.

1 Q. He didn't have a neurogenic bladder or anything
2 like that?

3 A. Not that I'm aware of, but we didn't test the
4 bladder.

5 Q. How about any sexual impotency, did he have any
6 of that to your knowledge before the surgery?

7 A. Not to my knowledge.

8 Q. How about spasticity in the right upper
9 extremity, did he have any of that before this
10 surgery?

11 A. As mentioned, not that one could see with
12 objective signs on examination,

13 Q. Was he using a foot orthosis before this
14 surgery?

15 A. No.

16 Q. Was he walking with either a walker or a cane to
17 your knowledge before the surgery?

18 A. Not that I'm aware of,

19 Q. Do you know whether he had any cold or
20 temperature intolerance before this surgery?

21 A. Let me go back and look at some early notes.

22 Q. I'm just referring to like the six months to a
23 year before the surgery, I'm not talking about
24 back in 1963.

25 A. No, I don't think so.

1 Q. Okay. Had the surgery gone without
2 complication, would Mr. Lilley have been able to
3 work at his job as a civil engineer without
4 problem?

5 A. I believe so.

6 Q. Had it gone without complication, would he have
7 been able to play golf?

8 A. Yes.

9 MS. REINKER: Objection.

10 A. Well, it --

11 Q. I guess it's a relative term,

12 A. I don't know.

13 Q. Would he have been able to go out and walk on
14 the golf course and swing the club, I'm not
15 talking about would he be able to go break par
16 or do well, but would he have been able to go
17 play golf?

18 MS. REINKER: Objection.

19 A. I don't know whether he could play golf before,
20 in all seriousness as far as impairing.

21 Q. Let's put it this way, if he had wanted to,
22 Dr. Bohlman, after the surgery that you would
23 have done, if it would have been done without
24 complication, could he have gone out and played
25 golf?

1 A. I probably would not have limited that.

2 Q. Would he have been able to go out and walk for
3 long distances, one to two miles after the
4 surgery, had it gone without complication?

5 A. I would hope so, but that's not totally
6 predictable.

7 Q. Had the surgery gone without complication, would
8 he have been able to bowl?

9 A. I would assume so.

10 Q. Had it gone without complication, would he have
11 been able to dress, bathe, go about his daily
12 activity without much difficulty?

13 A, I believe so.

14 Q. Was the physical therapy that was prescribed at
15 Children's Hospital, would that have been
16 necessary if the surgery had gone without
17 complication?

18 A. Probably not.

19 Q. How long would the hospital stay have been at
20 University Hospital had the surgery gone without
21 complication?

22 A. It averages a week to 10 days, but in this day
23 and age, we are forced to get people out sooner
24 and sooner.

25 Q. Do you remember telling Mr. Lilley how long he

1 should plan to be in the hospital if the surgery
2 had gone without complication?

3 A. I don't recall exactly, but I'm just going on
4 the average, a week to 10 days,

5 Q. Had the surgery gone without complication --
6 strike that.

7 I'm just going to ask you some things as to
8 whether or not you may have an opinion on that,
9 and you may not, because I know you haven't seen
10 Mr. Lilley in a long time. But do you have any
11 opinion one way or the other as to the extent of
12 his disability now?

13 A, Well, that's very difficult to say, because I
14 really haven't examined him for a long period of
15 time, nor have I seen any neurologic exam
16 notes.

17 On the last visit he had very significant
18 neurologic recovery, and his spasticity, or his
19 jumpiness of his muscles, I think was impairing
20 his functioning at that time. I think he had
21 some swelling in his hand that was impairing his
22 function at that time, but I don't -- it's
23 difficult for me to say three years later what
24 his disability is.

25 Q. At that point in time when you last saw him --

1 do you ever do impairment percentages?

2 A, No.

3 Q. Based on your last examination, do you have any
4 opinion as to his degree of disability?

5 MS. REINKER: At that time?

6 MR. TOPPER: Yes.

7 A, This must have been April 15th, 1989. Going
8 back to September 16th, 1988 when I saw him back
9 nine weeks following the surgery, he was really
10 walking quite well, and I have a note that he
11 took a train up to New York last week, got off
12 at 42nd Street at the station and walked all the
13 way to 8th Avenue.

14 Q. Do you know how long that is?

15 A. I'm not sure how many blocks, I mean I don't
16 know New York extraordinarily well, but it's
17 certainly a number of blocks. He could
18 negotiate stairs at that time.

19 Q. It indicates in there at some point in time in
20 one of your notes that he had purchased a
21 one-level house, before he had been in a
22 three-level house. That's in one of your postop
23 notes. Do you recall that?

24 A. Not really.

25 Q. Would you have discouraged him from going into a

1 one-level house as opposed to a three-level
2 house that he had before?

3 A. I don't know that the single level would be
4 absolutely necessary if he was negotiating
5 stairs.

6 Q. I saw that in your notes somewhere. If you had
7 told him that that was not necessary, if you
8 felt that that was not necessary, would that be
9 something that you would have put in your notes?

PO A, I may or may not have.

11 Q. Do you have an opinion now one way or the other
12 whether or not Mr. Lilley is permanently
13 disabled?

14 MS. REINKER: Objection.

15 A. I have no idea because I haven't examined him
16 for years.

17 Q. Okay. To your knowledge, do *you* recall ever
18 discouraging him from purchasing a one-level
19 home?

20 A. I don't recall.

21 Q. Did you talk to him about seeing a urologist at
22 any point in time?

23 A. I believe I did, and also Dr. Falk, the
24 psychologist did, but I never saw a note from a
25 urologist.

1 Q. If the urologist suggests that Mr. Lilley has a
2 neurogenic bladder, would you disagree with
3 that, or would you know one way or the other?

4 MS. REINKER: Objection.

5 A. I would want to see a cystometrogram and proof
6 of that. He certainly was continent and not
7 having any trouble voiding when he left the
8 hospital, and on follow-up visits, he was
9 continent,

10 Q. Did you have a urological consult done at
11 University Hospital?

12 A. I have Dr. Bodner's name in there, but I don't
13 know whether he ever saw him. To be honest, I
14 don't think he ever saw him, because I think he
15 was continent and it was not necessary,

16 Q. Do you specifically recall in your notes
17 indicating that Mr. Lilley was continent? I
18 don't see anything in your notes one way or the
19 other, I just wonder if it's in your notes?

20 A. I remember for a fact he was continent both in
21 the office visits and the hospital.

22 Q. Did he ever indicate to you in any of your
23 office visits that he was incontinent?

24 A. No.

25 Q. Did he ever indicate to you that he was having

1 sexual problems?

2 A. He told me he could have erection, ejaculations,
3 but that it felt different, Now that's a very
4 subjective complaint.

5 Q. Did you at that point in time, or do you now
6 have any opinion one way or the other whether
7 that is a spinal cord defect or that's a
8 neurological defect causing that?

9 A, Yes.

10 Q. What is that opinion?

11 A. I don't think it's a neurologic defect causing
12 it. Based on my own experience with spinal cord
13 injuries for the last 20 years, and I say that
14 because when people are continent, and they have
15 as little motor loss or paralysis as Mr. Lilley
16 had, they generally do not have sexual
17 impairment at this level of spinal cord injury.

18 Q. At what level of spinal cord injury would you
19 say you first begin seeing incontinence?

20 A. You can see it at this level, but it's usually
21 when patients are more severely injured and
22 don't recover.

23 Q. How about at what level do you first begin
24 seeing problems with sexual function?

25 A. You can see it at any level, that's not the

1 issue. The issue is the severity of the spinal
2 cord injury.

3 Q. Are you basing the severity of his spinal cord
4 injury on what you saw objectively on the MRI
5 studies, or are you comparing those to what you
6 see that Murray Lilley has as far as the
7 objective, in other words his arm movement, his
8 leg movement and other things like that?

9 A. I'm only basing the severity on the last
10 examination in 1989 or thereabouts, the fact
11 that he had very significant recovery of
12 function and had bladder control.

13 Q. Okay. In other words, the significance of the
14 recovery you are basing on what you observed
15 regarding his right side as opposed to what you
16 might have seen on any type of MRI?

17 A. Yes. And, of course, he was from a motor
18 standpoint, he was normal on the left.

19 Q. Have you ever discussed Mr. Lilley's case at any
20 professional meetings, in any professional
21 meetings?

22 A. I discussed it with Dr. Dudley on occasion.

23 Q. What type of discussions did you have with
24 Dr. Dudley?

25 A. Just his progress and whether he was still

1 seeing him. I don't think I have ever used his
2 case as an example in any of my writings or
3 anything, I can't recall. I may have talked
4 about it to somebody, I don't recall
5 specifically anybody. I'm sure I talked about
6 this with Dr. Smith because he was a fellow
7 there, he followed his progress up until I
8 followed it, and Dr. Moses was the neurologist
9 that saw him in Baltimore.

10 Q. Have you read Dr. Moses' reports?

11 A. I have two, I believe, there are two in there.

12 Q. Do you know of, do you know from what you
13 remember if there is anything in those reports
14 with which you disagree?

15 A. I have to look at it. Mr. Lilley possibly
16 has -- "If Mr. Lilley possibly has a residual of
17 a right anterior spinal artery syndrome at the
18 above level. We should make some attempt to
19 deal with the spasticity right hemiparesis and
20 difficulty with sphincter control and sexual
21 function. I'll be discussing all of the above,
22 I don't know what that is, with you in the
23 future. Meanwhile I will give him a trial on
24 the Oreticyl 10 milligrams for spasm and
25 introduce him to a urologist."

1 Q. Do you feel that the prescription of the
2 Oreticyl is appropriate in Mr. Lilley's case?

3 A. Well, I had mentioned it in one of my notes
4 also, but I think he was reluctant to take some
5 medication that might make him tired, but I
6 remember mentioning an antispasmodic in one of
7 my notes. I think it depends on how much Dr.
8 Moses thought he was impaired.

9 Q. During his stay at University Hospitals, you
10 requested a neurological consult?

11 A. Did I?

12 Q. Yes.

13 A. I don't recall.

14 Q. I would like to get back to something I asked
15 about during the operation. We talked about the
16 graft. After thinking, after we've gone over
17 everything that we've gone over, Dr. Bohlman,
18 can you think of what caused the graft to force
19 itself against the ligament and then into the
20 spinal canal?

21 A. I don't know what did it or how it occurred.

22 Q. Is it your opinion that it would most likely
23 have to be some type of outside force which
24 would cause that to occur, in other words, force
25 outside the body?

1 A. I don't know, I mean we've gone over many
2 different forces that can cause a graft to
3 extrude.

4 Q. Can you conceive any internal force that would
5 cause that to move?

6 A. Only as I mentioned, coughing or restlessness.
7 I've seen people cough grafts out of place,
8 straining.

9 Q. Given the fact that this was against a ligament,
10 how forceful of a cough would that have had to
11 be to press that back against it?

12 A. I don't know how you would measure that, but
13 coughing and straining, I have just seen people
14 do that, forceful coughing and straining,

15 Q. How long does it take for a graft to heal into a
16 situation where a cough or a forceful movement,
17 something to that effect, will not cause the
18 graft to dislodge itself?

19 A. I would say with an iliac graft, probably three
20 to four weeks.

21 Q. So, then, does one want to prevent coughing and
22 that type of thing within the first three to
23 four weeks so that doesn't happen?

24 A. Well, you like to prevent any force or straining
25 of people and restrict their activities. I'm

1 not sure you can prevent people from sneezing
2 and coughing.

3 Q. In looking over the operative note, it indicates
4 that the size of the fibular graft was one and
5 three-quarter inches, and the size of the iliac
6 graft was approximately an inch-and-a-half.
7 Would that be --

8 A. I don't know that I put a length on the iliac
9 graft. I know there was an exact measurement of
10 one and three-quarters on the fibular graft.
11 Yes, you are correct, approximately an
12 inch-and-a-half, I don't think I have the exact
13 measure.

14 Q. Dealing with inches, how many inches or quarters
15 of inches or eighths of inches would eight
16 millimeters be?

17 A. Eight millimeters in length would be, that's
18 very small, tiny, tiny, it would be a quarter of
19 an inch in length.

20 MR. TOPPER: I don't have anything
21 further. Thanks.

22 MS. REINKER: Okay. At some point
23 your deposition will be written up, and you
24 will have the right to review the
25 deposition before your signature is placed

over the transcript, and I suggest you do
that in a medical case,

Again, can we have the same
stipulation to extend the seven days and to
send the doctor the deposition so he
doesn't have to come to the court
reporter's office?

MR. TOPPER: That's fine.

HENREY BOHLMAN, M.D.

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, M. Sheila Hanlon, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named HENREY BOHLMAN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

M. Sheila Hanlon, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires January 14, 1996

LAWYER'S NOTES

[illegible]