

1 State of Ohio,)
2 County of Cuyahoga.)
- - -

3
4 IN THE COURT OF COMMON PLEAS
- - -

5 THOMAS M. GILBERT, etc.,)
6)
7 Plaintiff,) Case No. 315,071
8 vs.) Judge William Coyne
9 EMAD DEAN NURTA, M.D.,)
et al.,)
10 Defendants.)
- - -

11
12 DEPOSITION OF MARK JUDSON BOTHAM, M.D.
13 Wednesday, January 7, 1998
14 - - -

15 The deposition of MARK JUDSON BOTHAM, M.D.,
16 a witness, called for examination by the Plaintiff
17 under the Ohio Rules of Civil Procedure, taken
18 before me, Diane M. Stevenson, a Registered Merit
19 Reporter and Notary Public in and for the state
20 of Ohio, by agreement of counsel, at Mt. Sinai
21 Medical Center, 1 Mt. Sinai Drive, Cleveland,
22 Ohio, commencing at 5:10 p.m., the day and date
23 above set forth.
24 - - -
25

Diane M. Stevenson, RMR
Morse, Gantverq & Hodge

<p>1 APPEARANCES:</p> <p>2 on behalf of the Plaintiff:</p> <p>3 George E. Loucas, Esq. George E. Loucas Co., LPA 4 600 Standard Building Cleveland, Ohio 44113</p> <p>5</p> <p>6 On behalf of the Defendant, Dr. Nukta:</p> <p>7 William A. Meadows, Esq. Richard A. Vadnal, Esq. 8 Reminger & Reminger Co., LPA The 113 St. Clair Building Cleveland, Ohio 44114</p> <p>9</p> <p>10 On behalf of the Defendant, 11 Fairview General Hospital:</p> <p>12 Kris Treu, Esq. 13 Moscarino & Treu Co., LPA 812 Huron Road, Suite 490 Cleveland, Ohio 44115</p> <p>14 - - -</p> <p>15</p> <p>16</p> <p>17</p> <p>18</p> <p>19</p> <p>20</p> <p>21</p> <p>22</p> <p>23</p> <p>24</p> <p>25</p>	<p>Page 2</p> <p>1 Exhibits 1 and 2. No. 1 is your CV. Does that</p> <p>2 appear accurate and up-to-date?</p> <p>3 First of all, is that what it is?</p> <p>4 A. Yes, it is.</p> <p>5 Q. Is it up-to-date, or would you like to make any</p> <p>6 additions?</p> <p>7 A. No, this is up-to-date.</p> <p>8 Q. Is there anything in publication or pending that</p> <p>9 is not on there?</p> <p>10 A. No.</p> <p>11 Q. No. 2 is the report that you have prepared in the</p> <p>12 case?</p> <p>13 A. Yes.</p> <p>14 Q. Would you like to make any changes or supplement</p> <p>15 that in any way today?</p> <p>16 A. No.</p> <p>17 Q. You stand by what is written there, correct?</p> <p>18 A. Yes.</p> <p>19 Q. Relative to the CV, I would like you to please go</p> <p>20 to the articles and tell me whether any have</p> <p>21 application directly or indirectly relative to</p> <p>22 the subjects today, namely to the opinions you</p> <p>23 will be providing?</p> <p>24 A. No, they do not.</p> <p>25 Q. Number 4, "Dissociation Between Epicardial and</p>
<p>Page 3</p> <p>1 MARK JUDSON BOTHAM, MD.</p> <p>2 A witness, called for examination by the</p> <p>3 Plaintiff, under the Rules, having been first</p> <p>4 duly sworn, as hereinafter certified, was</p> <p>5 examined and testified as follows:</p> <p>6 CROSS-EXAMINATION</p> <p>7 BY MR. LOUCAS:</p> <p>8 Q. Good evening, Doctor. We have just been</p> <p>9 introduced. My name is George Loucas, and I</p> <p>10 represent the estate of Janice Gilbert, as you</p> <p>11 know.</p> <p>12 I am going to be asking you some questions</p> <p>13 relative to the expert opinions you have been</p> <p>14 retained to provide in this case. Mainly, my</p> <p>15 goal here today is just to find out what opinions</p> <p>16 you have, the bases for those opinions, what</p> <p>17 opinions you will be providing at trial.</p> <p>18 Fair enough?</p> <p>19 A. Yes.</p> <p>20 Q. I take it you have had your deposition taken</p> <p>21 before, correct?</p> <p>22 A. Yes.</p> <p>23 (Thereupon, Plaintiff's Exhibits 1 and 2</p> <p>24 were marked for identification.)</p> <p>25 Q. I have handed you two exhibits, Plaintiff's</p>	<p>Page 5</p> <p>1 Transmural Function," et cetera, any application</p> <p>2 there to the end event or the infarct that we are</p> <p>3 going to talk about today?</p> <p>4 A. No.</p> <p>5 Q. Under "Societies," you list "International</p> <p>6 Association for Cardiac Biological Implants,</p> <p>7 1991." First of all, was that the only year you</p> <p>8 were involved in that society?</p> <p>9 A. No. I am a member of that society today.</p> <p>10 Q. What is the nature of that society?</p> <p>11 A. It is a society of cardiac surgeons that are</p> <p>12 involved in discussions, talking about the</p> <p>13 risks/benefits of utilizing biological implants</p> <p>14 for valve surgery rather than mechanical valve</p> <p>15 implants.</p> <p>16 Q. Does it involve only surgeons, or also cardiolo-</p> <p>17 gists?</p> <p>18 A. Primarily surgeons.</p> <p>19 Q. So it also involves cardiologists?</p> <p>20 A. I am sure there are some cardiologists who are</p> <p>21 members of the society, just as there are cardiac</p> <p>22 surgeons who are members of cardiologic societies.</p> <p>23 I wouldn't know the actual mix.</p> <p>24 Q. Does it involve biological implants other than</p> <p>25 relating to the valves, such as stents?</p>

Page 6

1 A. No. It involves specifically related to
 2 implantations of valves.
 3 Q. Do you know any of the experts that are going to
 4 be testifying in this case?
 5 A. No, I do not.
 6 Q. Do you know of Morton Kern?
 7 A. I don't know him personally, no.
 8 Q. You know of him, however?
 9 A. The name sounds familiar, but I don't know him,
 0 personally.
 1 Q. How about Dr. Nukta, do you know Dr. Nukta?
 2 A. I do not.
 3 Q. You have never met him directly or indirectly?
 4 A. No.
 5 Q. Have you ever had had any conversations with him?
 6 A. No, I have not.
 7 Q. Relative to this case I mean.
 8 A. No, I have not,
 9 Q. Have you conversed or talked with any of the
 0 other experts in this case?
 1 A. No.
 2 Q. Do you know Dr. Bowman?
 3 A. No, I do not.
 4 Q. How about Dr. Tice, have you heard of Dr. Tice?
 5 A. No.

Page 7

1 Q. Likewise Dr. Feit. Have you ever heard of
 2 Dr. Feit?
 3 A. No, I don't know him.
 4 Q. How is it you became involved in this case?
 5 A. I was sent a packet of information by Mr. Meadows
 6 and asked if I would be willing to review this
 7 case for his defendant.
 8 Q. You were just cold called, received a packet in
 9 the mail, or was there a telephone call first --
 0 A. I suspect probably a package arrived, and then I
 1 received a phone call from Mr. Meadows.
 2 Q. You have to wait until I finish my question just
 3 so that Diane can get it all down before you
 4 start. I was going to ask you: Or did somebody
 5 make contact with you to see if you would accept
 6 a package upon its arrival?
 7 A. I don't know whether there was a phone call
 8 before or whether the material arrived before the
 9 phone call.
 0 Q. Do you have some relationship with Bill Meadows
 1 where he could just send you things in the mail
 2 and then accept a phone call as to whether you
 3 would be willing to take them?
 4 A. No, I do not.
 5 Q. I mean, I just find it odd that you would receive

Page 8

1 a package in the mail without at least having
 2 talked to somebody about whether you are
 3 interested in taking the case. That is what I am
 4 getting to.
 5 A. No, I have that happen relatively frequently.
 6 Q. Have you worked with Bill Meadows on any other
 7 case other than this?
 8 A. No, I have not.
 9 Q. Do you know Bill personally?
 0 A. No.
 1 Q. Do you know any members of his firm, Reminger &
 2 Reminger, personally?
 3 A. You mean as personal friends, or outside of the
 4 physician-attorney --
 5 Q. On a social level.
 6 A. No, I do not.
 7 Q. How about his former firm, Gallagher, Sharp?
 8 A. No.
 9 Q. Professionally, then, have you worked with any
 0 other members of Reminger & Reminger, consulted?
 1 A. Yes.
 2 Q. Who would that be?
 3 A. Stephen Crandall and Jim Malone.
 4 Q. Any other attorneys over at Reminger, past or
 5 present?

Page 9

1 A. I do have a case that I am working with Stephen
 2 Walters.
 3 Q. What case is that?
 4 MR. MEADOWS: I am going to object
 5 and caution you, Doctor, if it is a case where
 6 you have not issued a report or have reason to
 7 believe that reports or your identity as an
 8 expert haven't been made known to the other
 9 parties, it would be considered a work product
 0 situation.
 1 A. It is a work product. No report has been issued.
 2 In fact, I haven't really had a chance to discuss
 3 it with Mr. Walters yet.
 4 Q. About how many cases, then, to the best of your
 5 recollection, have you worked on for Reminger &
 6 Reminger?
 7 A. Probably half a dozen.
 8 Q. And have you ever testified in any of those
 9 cases, either deposition or trial?
 0 A. I don't believe so.
 1 Q. In each of those cases that have been presented,
 2 and it did reach the point that you actively
 3 consulted, how many have there been where your
 4 opinion was that it was a meritorious defense?
 5 A. On probably two occasions, yes. On one occasion

Page 10

1 I think the discussion revolved around the fact
 2 that I could not provide them defensible
 3 testimony.
 4 Q. Have you had that type of professional
 5 relationship at all with Gallagher, Sharp?
 6 A. I have not.
 7 Q. Have you consulted with any other defense firms
 8 here in Cleveland?
 9 A. Yes.
 10 Q. About how many, or what other firms?
 11 A. Jacobson, Maynard is probably the one that I have
 12 done the overwhelming majority for.
 13 Q. Were you a PIE insured?
 14 MR. MEADOWS: Show an objection.
 15 A. At one time I was, yes.
 16 (Thereupon, a discussion was had off the
 17 record.)
 18 Q. About how many years have you been doing
 19 consulting work?
 20 A. Ten years.
 21 Q. On medical malpractice issues?
 22 A. Yes.
 23 Q. About how many do you take on a yearly basis?
 24 A. It varies. Anywhere between 10 and 12, maybe 15
 25 on a busy year.

Page 11

1 Q. May I ask what your rate is?
 2 A. For hourly consultation?
 3 Q. Yes.
 4 A. \$250 an hour.
 5 Q. How about for deposition testimony?
 6 A. That depends upon whether it is a half day loss
 7 of work or full day. Half day is usually \$4,000
 8 or \$5,000. Full day is \$10,000.
 9 Q. Trial testimony?
 10 A. Something.
 11 Q. What will you be charging, then, for this
 12 deposition testimony?
 13 A. \$250 an hour.
 14 Q. Thank you. Of the range that you gave me, 10,
 15 sometimes 15 per year, are you able to give me a
 16 breakdown or percentage of how many would be on
 17 behalf of the patient versus the medical care
 18 provider?
 19 A. I believe you asked me how many defense cases I
 20 do, and I told you about maybe 10 or 12. I
 21 probably do another five or seven for plaintiff's
 22 counsel.
 23 Q. On a yearly basis?
 24 A. Yes.
 25 Q. How often do you find yourself testifying in a

Page 12

1 courtroom on a yearly basis?
 2 A. Once or twice.
 3 Q. I am relating that to medical malpractice.
 4 A. Total, yes.
 5 Q. Have you worked with any of the plaintiff's firms
 6 here in town?
 7 A. Yes, I have.
 8 Q. What firms would that be?
 9 A. Nurenberg, Plevin. I have worked with Steve
 10 Charms on a couple of occasions.
 11 Q. Is that when Steve was with Jacobson, Maynard, or
 12 since then?
 13 A. No, as a plaintiff's counsel.
 14 Q. On the occasions that you are consulted by
 15 plaintiffs on behalf of the patient, are you able
 16 to give me a percentage of times, approximately,
 17 where you find that it is a meritorious case
 18 versus not being the case?
 19 A. I would say 50 percent of the time.
 20 Q. Have you ever had occasion to consult in a case
 21 with issues relating to an aortic dissection?
 22 A. Numerous.
 23 Q. When you say "numerous," about how many?
 24 A. Two this year alone. It is a very frequent
 25 source of litigation.

Page 13

1 Q. Is it a frequently occurring complication?
 2 A. It is a frequently occurring problem. I wouldn't
 3 say frequently occurring complication.
 4 Q. What is the basis your distinction?
 5 A. Well, if you are ascertaining whether or not it
 6 is a frequent occurrence in the general populous,
 7 the answer would be yes. As a complication of a
 8 medical procedure that is a complication, the
 9 answer would be no.
 10 Q. So you are drawing a distinction between
 11 spontaneous dissection versus iatrogenic?
 12 A. Yes.
 13 Q. Are you able to give me a rate of this
 14 complication iatrogenically?
 15 A. It depends upon whether it occurs during an
 16 invasive cardiologic procedure or whether it
 17 occurred during a cardiac surgical procedure.
 18 Q. What is the difference, in other words, the
 19 percentage of each?
 20 A. The rate in an invasive cardiologic procedure
 21 probably would be less than 1 in 10,000, I would
 22 suspect. In a cardiac surgical procedure it
 23 probably would be 1 in 1,000 or 1 in 700,
 24 somewhere in that range.
 25 Q. You said "suspect." Why did you say "suspect"?

Page 14	Page 16
<p>1 A. It depends upon the surgeons involved. Some 2 surgeons have a higher incidence of iatrogenic 3 aortic dissection than others. 4 The reported rate is somewhere between 1 in 5 700 and 1 in 1,000. 6 Q. Is that something that you would expect cardiolo- 7 gists or surgeons who are doing interventional 8 work to keep track of their complications? 9 A. No, because it happens so infrequently, a guy 10 would have to do 10,000 or 20,000 or 30,000 11 cardiac catheterizations to have one or two 12 develop. And he may do 30,000 and never have 13 this complication. 14 Q. I am sorry, I didn't mean to interrupt. I didn't 15 mean specifically the complication of aortic 16 dissection, I am talking about complications in 17 general, to see if there is a pattern or perhaps 18 something is occurring more frequently than 19 others. 20 A. In general, that is not something that a 21 cardiologist himself would keep track of. 22 Usually that is kept track of by the cardiac 23 catheterization laboratory where the cardiologist 24 is doing his work. 25 Q. Do you have a complication list that you keep for</p>	<p>1 A. An interventional cardiac catheterization? 2 Q. Yes. 3 A. No. 4 Q. Have you ever done an angiography? 5 A. No. 6 Q. Do you consider those one and the same? 7 A. Well, they are both ascertaining the same thing, 8 looking at the character of a blood vessel in the 9 body. 10 A cardiac catheterization relates specifi- 11 cally to those blood vessels that are pertinent 12 to the heart. Angiography could be something 13 related to an artery that travels anywhere else 14 in the body. 15 Q. 'what is the basis for your opinion that 16 iatrogenic complications occur more frequently in 17 cardiac surgery? 18 A. Because, as a cardiac surgeon, we"are poking 19 holes in the aorta on a much more frequent basis 20 than would be a cardiologist with a cardiac 21 catheter. I mean, we routinely make three or 22 four or five holes in the aorta transmurally 23 through the wall of the aorta in every operation 24 that we do. 25 Q. Is that like to attach grafts and things like</p>
Page 15	Page 17
<p>1 yourself? 2 A. No, I do not. 3 Q. I take it, then, the hospital would do that for 4 you, as well, more likely than not? 5 A. They may or may not. We obviously have a peer 6 review system where we review all of our 7 complications, so it is kept track. 8 Q. So somebody keeps track, though? 9 A. Yes. 10 Q. Do you ever find yourself reviewing that 11 complication list, just to make yourself a better 12 surgeon? 13 A. Whenever I do have a complication that I feel was 14 something that could have developed in the 15 operating room, I always review that to see if 16 there are other avenues by which I may have 17 pursued a different course that resulted in a 18 different outcome. 19 Q. Fair enough. But you have the ability to access 20 that list and take a look if you want to. Fair 21 enough? 22 A. Yes. 23 Q. I am still curious about your use of the word 24 "suspect" for less than 1 in 10,000. Have you 25 ever done an interventional procedure?</p>	<p>1 that? 2 A. Place on the patient cardiopulmonary grafts and 3 hook up bypass grafts, replace the aorta. 4 Q. Can a dissection of the aorta occur then when you 5 are poking holes to put a graft in? Is that how 6 that typically happens? 7 A. They can occur at that time. They can occur from 8 a cannulation site. They can occur from a 9 placement of a cross clamp to separate one 10 portion of the aorta from the blood flow. They 11 occur from placement of the sutures in the 12 proximal portion of the bypass graft. 13 Q. What is the most frequent of those mechanisms you 14 have just mentioned? 15 A. Probably evenly divided between cannulation sites 16 and cross clamp placement. 17 (Thereupon, a discussion was had off the 18 record.) 19 Q. The reason I was asking you about the mechanisms 20 for a dissection during cardiac surgery is I 21 wanted to know what you believe to be the 22 similarities and the nature of the dissections 23 between cardiac surgery versus interventional 24 cardiology. 25 A. The mechanisms are one and the same. It is a</p>

Page 18

Page 20

1 disruption of the intima that allows blood to
 2 enter the media, which is usually diseased, and
 3 allows the propagation of that false lumen to
 4 develop in either antegrade or retrograde
 5 fashion.
 6 Q. Do you believe Janice Gilbert's aortic media was
 7 diseased?
 8 A. Yes.
 9 Q. In what way, please?
 10 A. Well, for one reason or another, the media itself
 11 did not stay in the same measurable plane as the
 12 intima and adventitia. She developed a
 13 dissection from a small puncture site in the
 14 intima.
 15 Q. I am sorry, can you repeat that?
 16 A. The puncture site in the intima that allowed
 17 blood to get into the media in her case was not
 18 contained, it resulted in a dissection.
 19 I puncture the intima thousands of times
 20 every year and don't get dissection. So there
 21 has to be something wrong in this woman's media
 22 that allows a simple puncture site to result in a
 23 dissection.
 24 Q. What makes you believe that it was a puncture
 25 site? What do you mean by "puncture"?

Page 19

1 A. Well, you have to make a laceration in the intima
 2 somewhere to initiate the dissection.
 3 Q. You said blood, "which allowed blood in the
 4 media." What makes you believe it was blood in
 5 the media?
 6 A. That was what was being pumped into the heart out
 7 of the aorta.
 8 Q. How about contrast medium?
 9 A. Well, there is free communication between the
 10 true and false lumen, although there was contrast
 11 material within the wall of the aorta, it flows
 12 in and out freely, and it is admixed with blood.
 13 Q. So we are talking about between the layers of the
 14 aorta there would be contrast material mixed with
 15 blood, correct?
 16 A. That's correct.
 17 Q. When you say "true and false lumens," to what are
 18 you referring?
 19 A. The false lumen is that lumen that develops as a
 20 result of the dissection. It is blood traversing
 21 between the media and the adventitia of the
 22 aorta.
 23 Q. Are you familiar with any of the equipment that
 24 was used by Dr. Nukta in this case, meaning the
 25 Judkin's catheter and the French, et cetera?

1 A. I am familiar with it. I don't use it on a
 2 regular basis. I am aware of the terminology. I
 3 wouldn't be able to specifically tell you which
 4 catheter is which.
 5 Q. Likewise, you would be unable to tell me the
 6 complication rates for the catheter he was using
 7 in terms of dissections of the RCA or the aorta?
 8 A. In terms of whether one catheter has a higher
 9 complication rate than the other?
 10 Q. Correct.
 11 A. I wouldn't be able to tell you the exact numbers.
 12 Q. Would you defer to an interventional cardiologist
 13 in that regard?
 14 A. I don't know whether I would say "defer." They
 15 would have a better handle with the actual
 16 numbers.
 17 Q. Do you know the name or the type of catheter that
 18 he was using at the time of the aortic dissection
 19 of Janice Gilbert?
 20 I just wondered if you knew it off the top
 21 of your head. In a moment I will tell you to go
 22 ahead and look at the records.
 23 A. No, I would have to look to refresh my memory.
 24 Q. When you were reviewing this case, did you
 25 entertain any notion that that type of catheter

Page 21

1 was at increased risk for the complication?
 2 A. No, I did not.
 3 Q. Doctor, I am *sorry*, was known to have an
 4 increased risk of complication of the aortic
 5 dissection?
 6 A. No, I did not.
 7 Q. Assuming that to be true, would you agree with me
 8 that the interventional cardiologist would have a
 9 corresponding duty or responsibility to observe
 10 for that complication if there was an increased
 11 likelihood for it with that catheter?
 12 MR. MEADOWS: Objection.
 13 A. I *think* any time you do an invasive procedure it
 14 behooves you to be aware of the complications
 15 that can develop, and always be suspect for that
 16 complication should it arise, regardless of what
 17 type catheter you use.
 18 If the complication did develop with
 19 catheter A or catheter B, it behooves you to have
 20 the same level of attentiveness, regardless of
 21 the type of catheter.
 22 Q. That level of attentiveness, you would agree, would
 23 raise a duty or a responsibility on the part of
 24 the interventional cardiologist to keep that
 25 within the field of vision where the dissection

Page 22

Page 24

1 may occur?

2 MR. MEADOWS: Objection.

3 Q. In other words, the junction of the right
4 coronary artery with the aorta.

5 MR. MEADOWS: Objection.

6 A. I think it is always nice to have a completely
7 wide field to be able to visualize everything
8 during a cardiac catheterization. But that just
9 doesn't happen. It is not a reality.

0 The camera is routinely up and down around
1 the patient around the area where you are trying
2 to visualize. It is not always focused specifi-
3 cally on one spot. That is why they have the
4 ability in a cardiac catheterization lab to pan
5 the camera, to move it around. It is never
6 specifically directed in just one view at
7 everything that you want to see.

8 Q. You said you were working on two cases this year
9 of aortic dissections?

10 A. One is presently being looked at, and one has
11 been actually settled.

12 Q. Would you tell me what side you were on in each
13 of the cases?

14 A. Actually, there are three. I apologize. One was
15 settled for the plaintiff. I was a defense

1 and expired.

2 Q. Why did you believe it was a defensible case?

3 A. Because I was defending the emergency room
4 physician who saw him, and I did not feel at that
5 point in time that there was evidence within the
6 medical record of his emergency room visit to
7 point to an aortic dissection as the etiology of
8 his complaint.

9 Q. Were there any laboratories in that situation,
10 such as an echocardiogram or x-rays?

11 A. No.

12 Q. Or anything?

13 A. Chest x-rays and laboratory studies were all
14 normal.

15 Q. How about the second one, if you could go ahead
16 and talk about that.

17 A. That is one that I am presently working on that,
18 again, as Mr. Meadows has alluded to, there is
19 work product.

20 Q. In Cleveland, though?

21 A. That is a case being done in Cleveland.

22 Q. Have you been asked to consult on behalf of the
23 defense on that one?

24 A. No. That is a plaintiff's case for Nuremberg,
25 Plevin,

Page 23

Page 25

1 expert.

2 MR. MEADOWS: Show a continuing
3 objection. Again, I am going to caution you,
4 Doctor, if there is an instance amongst one of
5 these three where you have been consulted but not
6 necessarily revealed as an expert to the opposing
7 party, you should not mention that case by name
8 or description, because that is work product.

9 A. The one that has been settled was settled for the
10 plaintiff. I was a defense expert.

11 Q. Who were counsel, if you recall, please?

12 A. Bruce Vandevusse in Detroit, Michigan was defense
13 counsel, and I don't recall the plaintiff's
14 counsel.

15 Q. Was this a Michigan case, then?

16 A. Yes.

17 Q. How do you spell his last name?

18 A. V A N D E V U S S E .

19 Q. Was that an aortic dissection?

20 A. Yes.

21 Q. What was the mechanism of action in that case, or
22 what were the facts in that case?

23 A. It was a young man who came into the emergency
24 room with a spontaneous aortic dissection, and
25 that was not diagnosed, subsequently sent home

1 Q. The third one?

2 A. That is a case that I just received, again from
3 Bruce Vandevusse, which no suit has actually been
4 brought, but he asked my opinion regarding it
5 because he feels there is a relatively high
6 likelihood that a case will be brought.

7 Q. Have you rendered an opinion yet in that case?

8 A. I have not.

9 Q. How many others? You talked about ~~three~~ that are
10 currently pending. How many others have you
11 consulted?

12 A. One that has been settled and two that are
13 pending.

14 Q. I am sorry. Thank you. Other than these ~~three~~,
15 have you consulted medical-legally on any other
16 aortic dissection cases?

17 A. In years past?

18 Q. Yes.

19 A. Yes.

20 Q. About how many, if you can recall?

21 A. I would say probably another five or six.

22 Q. Of those five or six, do you recall whether any
23 of those were in Cleveland?

24 A. I don't recall specifically, no.

25 Q. Do you have a list, or have you ever maintained a

Page 26	Page 28
<p>1 list with regard to the work that you have done</p> <p>2 on cases?</p> <p>3 A. No,</p> <p>4 Q. How about with regard to the income generated for</p> <p>5 those cases?</p> <p>6 A. I am sure I have W-2s dating back from -- but I</p> <p>7 don't keep a list of the income from it.</p> <p>8 Q. You don't separate in any way? There is nothing</p> <p>9 we could look at to see --</p> <p>0 A. No, I don't separate it.</p> <p>1 Q. Of those five or six, approximately how many</p> <p>2 would be for the defendant, medical care</p> <p>3 provider, versus the patient?</p> <p>4 A. All of the ones up until this year, anteceding</p> <p>5 this year's cases, have been for the defense.</p> <p>6 Q. I missed a word.</p> <p>7 A. All of the cases anteceding this year's cases</p> <p>8 have been for the defense.</p> <p>9 Q. Thank you. You talked about the diseased aorta</p> <p>10 here. I notice under the pathology tab that</p> <p>11 there was no pathology. Have you reviewed</p> <p>12 pathology from the aorta here? Have you had a</p> <p>13 chance to go over it?</p> <p>14 A. There was gross pathology. There was not</p> <p>15 microscopic pathology, no.</p>	<p>1 dissection if she did not have a pathologically</p> <p>2 abnormal aorta,</p> <p>3 Q. Would calcification be included within pathologi-</p> <p>4 cally abnormal?</p> <p>5 A. Calcification is included within pathologically</p> <p>6 abnormal. But it, in and of itself, did not</p> <p>7 predispose someone to the development of a</p> <p>8 dissection.</p> <p>9 Q. Are you aware of what the standard of care is as</p> <p>10 to whether interventional procedures should be</p> <p>11 undertaken, if at all, with a diseased aorta, as</p> <p>12 you have so described?</p> <p>13 A. Invasive cardiologic procedures are undertaken</p> <p>14 every day on patients who have diseased aortas.</p> <p>15 Q. So you are not familiar with any specific</p> <p>16 standard of care, then, that says in certain</p> <p>17 instances it should not be undertaken with X</p> <p>18 disease?</p> <p>19 MR. MEADOWS: Show an objection.</p> <p>20 Your question presupposes there is a standard of</p> <p>21 care.</p> <p>22 A. I think you would need to delineate exactly what</p> <p>23 you mean by "disease" and the specific disease</p> <p>24 process that you are referring to.</p> <p>25 Q. Do you think calcification played any role with</p>
Page 25	Page 29
<p>1 Q. Did you review that gross pathology?</p> <p>2 A. Yes.</p> <p>3 Q. What role, if any, did that play, in your</p> <p>4 opinion, as to this being a diseased aorta?</p> <p>5 A. The pathology report, as it was issued, did not</p> <p>6 describe the aortic wall itself, the character of</p> <p>7 it, to a degree that you would be able to</p> <p>8 ascertain whether or not there was disease within</p> <p>9 the media.</p> <p>10 And microscopically there was nothing done</p> <p>11 to ascertain whether or not there was disease</p> <p>12 within the wall of the aorta.</p> <p>13 Q. Upon what pathologic basis, then, are you</p> <p>14 attributing your opinion that it was diseased, if</p> <p>15 not pathology?</p> <p>16 A. I am basing it upon the premise that I poke holes</p> <p>17 in thousands of aortas every year and don't get</p> <p>18 dissections.</p> <p>19 I also do have instances where I have had</p> <p>20 iatrogenic dissections in the operating room.</p> <p>21 Those patients, by and large, have had diseased</p> <p>22 aortas when we have looked at the aorta</p> <p>23 pathologically. There is no reason to think that</p> <p>24 this woman, who had a laceration develop in the</p> <p>25 wall of her aorta, would have developed a</p>	<p>1 her diseased aorta that would have caused or</p> <p>2 prompted the dissection?</p> <p>3 A. I do not.</p> <p>4 Q. Other than the premise that she must have had</p> <p>5 disease since it dissected, are you able to state</p> <p>6 whether, more likely than not, you were aware of</p> <p>7 any other pathological condition involving her</p> <p>8 aorta which would have caused it to be diseased</p> <p>9 other than calcification?</p> <p>10 A. She has atherosclerosis in the aorta. That is</p> <p>11 the only pathologic tenet that is described in</p> <p>12 the postmortem examination.</p> <p>13 Q. Is that the same as calcification?</p> <p>14 A. It may or may not be.</p> <p>15 Q. Did you review the September 12 films?</p> <p>16 A. Yes. Heart catheterization?</p> <p>17 Q. Yes.</p> <p>18 A. Yes, I did.</p> <p>19 Q. Did you find evidence of calcification on the</p> <p>20 September 12 film?</p> <p>21 A. Calcification? You would have to be more</p> <p>22 specific. Where?</p> <p>23 Q. You read Dr. Nukta's deposition correct?</p> <p>24 A. Yes.</p> <p>25 Q. And in it he references calcification appearing</p>

Page 30

Page 32

1 in the September 12 film of the aortic root. Did
2 you see any of that when you reviewed that film?
3 A. You would have to show me specifically where you
4 are referring to. There may be calcification in
5 different areas of the aorta.

6 And I would have to actually, if I am going
7 to reaffirm his notification of calcification,
8 actually look at the film either with him or have
9 somebody show me where he feels there is
0 calcification.

1 Q. You would be unable to do it yourself, then?

2 A. I could show you if you put the film on whether
3 see any calcification in the area, yes.

4 Q. We are going to talk about your expertise in
5 interpreting the films in a moment. But as you
6 sit here, you don't have any recollection of
7 notably seeing calcification in the September 12
8 film when you reviewed it?

9 A. If I were to tell you that, I would have to
0 actually look at the film and assure myself that
1 I am, indeed, seeing calcification in the wall of
2 the aorta itself.

3 Q. That is fair enough.

4 Have you ever seen a circumferential
5 dissection such as the one in Janice Gilbert?

Page 31

1 A. Yes.

2 Q. On how many occasions?

3 A. It depends upon how circumferentially you are
4 talking about. I have had them anywhere between
5 90 degrees involvement of the aorta to roughly
6 280, 290 degrees.

7 Q. And would you please describe for me your
8 assessment of the extent of this dissection.

9 A. It was --

0 Q. At the time -- we may as well jump right on into
1 it, then. When you first saw evidence of the
2 dissection, was it smaller than that as set forth
3 in the operative findings by Drs. Woodhall and
4 VanBergen?

5 A. No. I think shortly after the dissection process
6 originated, there was complete involvement of the
7 entire tubular portion of the ascending aorta as
8 well as the sinus of Valsalva, which resulted in
9 the aortic insufficiency.

10 Q. Are you able to state more likely than not within
11 what time frame that occurred, that dissection?

12 A. Very short time frame.

13 Q. Are you able to put a time on that? Seconds?

14 A. It would probably be within minutes, maybe even
15 seconds. It is hard to tell.

1 Q. That disruption that you just described that
2 occurred within anywhere from seconds to minutes,
3 do you believe that extended even further between
4 that episode you just described versus at the
5 time of the sternotomy and under direct
6 visualization?

7 A. There is no way to know, because you don't have a
8 scan that tells you how much of the entire
9 thoracic aorta and abdominal aorta is involved at
0 the time that the dissection process develops.

1 Q. Now, would you please describe for me the extent
2 of her dissection, in your opinion.

3 A. The dissection involved the right coronary sinus
4 of Valsalva and extended proximally in that sinus
5 of Valsalva down to the annulus of the aortic
6 valve. It then traversed in an antegrade fashion
7 to involve the entire tubular portion of her
8 ascending aorta up into the transverse aortic
9 arch.

10 Q. You mentioned 90 degrees, 280 degrees before.
11 What is your assessment here?

12 A. The dissection here evidently involved a fairly
13 healthy portion of the circumference of the
14 ascending aorta, as least in terms of how the
15 surgeons that are there described it. And that

Page 33

1 is more the rule than the exception.

2 Q. Can you describe for me in lay terms what you
3 mean? In other words, did it go around --

4 A. Dissection typically spirals as it develops a
5 false lumen. It usually starts in a spontaneous
6 dissection along the right lateral wall, and, as
7 it goes up, to involve the rest of the ascending
8 aorta posteriorly or spiral anteriorly.

9 Q. But this is not a spontaneous dissection,
10 correct?

11 A. It is an iatrogenic dissection.

12 Q. And it is your opinion that, as an iatrogenic
13 dissection, it still will spiral in such a
14 fashion?

15 A. Yes, that is typical.

16 Q. More likely than not, correct?

17 A. The dissecting process is the same. The only
18 thing that is different here is the causative
19 factor. The initiating event, which is a tear in
20 the intima, is identical.

21 Q. Are you able to find angiographic evidence of
22 this spiraling dissection, or were you able to?

23 A. The only evidence that you see on an angiogram is
24 the development of the false lumen, and you can
25 see that. The actual spiraling aspect of it you

Page 34

Page 36

1 don't really see unless you are there and you can
2 look at the aorta on all sides.

3 Q. You mean under direct visualization?

4 A. Right.

5 Q. So it is your belief, then, you said it went down
6 to the annulus of the aortic valve, that would be
7 retrograde, correct?

8 A. Correct.

9 Q. It is your belief that the dissection first
0 occurred in retrograde fashion?

1 A. I don't think there is any way you can ascertain
2 whether it went retrograde first or antegrade
3 first. I **think** it involved the sinus of Valsalva
4 originally, probably in a circumferential fashion,
5 and then traversed retrograde and antegrade with
6 each contraction of the heart.

7 Q. Within seconds to minutes?

8 A. Correct.

9 Q. Would heart rate have anything to do with that?
10 I am sorry, let me clarify that. Would it just
11 be heart rate, or would blood pressure have a
12 factor on that?

13 A. It is a combination of both. The most important
14 aspect is the force of cardiac contraction, what
15 we call DPDT, the pressure rate constant for

Page 35

1 time. That is the most important aspect in terms
2 of a propagation of a dissection.

3 Q. There are ways to control the DPDT, correct?

4 A. Yes.

5 Q. Would that be through drug therapy?

6 A. Yes.

7 Q. What type of drugs could you use to control heart
8 rate?

9 A. Primarily beta blockers, That is the mainstay of
10 therapy for patients who have aortic dissection.

11 Q. Do you recall whether beta blocker was used here
12 with Janice Gilbert?

13 A. I don't think they did, because her heart rate
14 was already very well controlled. She had
15 actually --

16 Q. Procardia, I do remember seeing Procardia.

17 MR. MEADOWS: Wait a minute. Let
18 him finish his answer. I am not sure he was done
19 with his answer.

20 A. At least at this point in time they had put a
21 pacemaker in so they could control that as well
22 as they wanted to, and her hemodynamic parameters
23 were actually quite good.

24 Q. Could a pacemaker help control, then, a heart
25 rate?

1 A. No. It can if the heart rate is too low. But in
2 this instance that is not the issue.

3 Q. It couldn't lower it, then?

4 A. That's correct.

5 Q. Procardia, is that a calcium channel blocker?

6 A. That is a calcium channel blocker which may lower
7 the heart rate as well as the blood pressure.

8 Q. Would it be as effective as a beta blocker?

9 A. It may very well be. It depends on the clinical
0 situation and the response that the patient has.

1 Q. How about if you are trying to lower the heart
2 rate of Janice Gilbert in the situation with
3 aortic insufficiency and an aortic dissection,
4 which would be more effective, in your opinion?

5 A. Again, it depends upon the patient's response. I
6 think different patients respond in different
7 fashions.

8 Not infrequently I have patients who I put
9 on calcium channel blockers whose heart rate gets
10 too low and I have to stop that medication. The
11 same thing could be said for patients with beta
12 blockers. It really is a variable that you have
13 to treat a patient and see what the response is
14 before you can ascertain which medication they
15 will respond to.

Page 37

1 Q. Do you have any reason to believe that either of
2 those would not have worked with Janice Gilbert
3 in lowering her heart rate?

4 A. No.

5 Q. You said circumferential tear, and I asked you
6 based upon what you said about 90 and 280
7 degrees, are you able to state, even though you
8 said spiral, give it a number, 360 degrees, or
9 whatever, with her dissection?

10 A. The tear itself is not circumferential. The tear
11 is a small area that develops in the wall of the
12 aorta. Once the blood enters into the false
13 lumen, then it can spread in a more circumferen-
14 tial fashion, and it can vary anywhere between 90
15 and 260 or 280.

16 Q. Do you have an opinion, though, as to what that
17 number would be, approximately, with Janice
18 Gilbert when it was all said and done?

19 A. I would have to look at the operative note and
20 see if Dr. Woodhall and VanBergen describe the
21 exact involvement circumferentially, how much of
22 the aorta was involved.

23 Q. Would you please take a look.

24 A. They don't describe specifically how much of the
25 circumference of the aorta was involved. All

Page 38

Page 40

1 they describe is that the right coronary artery
 2 and the left main coronary arteries were involved
 3 in the dissection.
 4 Q. So based upon that, you are unable to state the
 5 amounts of circumferential involvement that would
 6 be --
 7 A. That's correct.
 8 Q. Once that occurred, what, in your opinion, would
 9 be the risk of mortality in surgery to repair
 10 that?
 11 A. It is the risk of any mortality for somebody who
 12 has developed an acute aortic dissection. The
 13 mortality rate, depending upon the experience of
 14 the surgeon, can vary anywhere between 7 and 10
 15 percent to 30 percent.
 16 Q. So when she presents at 7:30 is when the surgery
 17 started with that circumferential dissection all
 18 the way up through the transverse arch, including
 19 the right coronary artery, left coronary artery,
 20 retrograde down through the aortic valve, your
 21 opinion is her risk of mortality was 30 percent?
 22 A. It depends upon the experience of the surgeon who
 23 is doing the operative procedure. It can vary
 24 anywhere between 7 to 30 percent, depending upon
 25 the operating experience.

Page 39

1 Q. I would ask you to assume for a moment that
 2 instead of that dissection she went into bypass
 3 surgery with just the two RCA dissections. What
 4 would be your opinion on risk of mortality with
 5 that procedure?
 6 MR. MEADOWS: Objection. You are
 7 asking him to assume there is no aortic
 8 dissection?
 9 MR. LOUCAS: Correct.
 10 MR. MEADOWS: Objection.
 11 A. An isolated dissection of coronary artery?
 12 Q. Yes.
 13 A. It depends on whether the patient was hemodynami-
 14 cally stable at the time admitted to the
 15 operating room.
 16 Q. I am talking about Janice Gilbert. Assuming
 17 there was no aortic dissection, and Dr. Nukta had
 18 referred her over to the CT surgeons With just
 19 the two tears in the RCA to be repaired, that
 20 would entail, more likely than not, a right
 21 coronary bypass, correct?
 22 A. Correct.
 23 Q. Can you tell me what the risk of mortality is
 24 from that procedure alone?
 25 A. One uercent.

1 MR. MEADOWS: Show an objection to
 2 the hypothetical as it was phrased.
 3 Q. I would next like for you to assume that upon
 4 presentation of the aortic dissection it had not
 5 extended, as you so described, in spiral fashion;
 6 rather, it was localized and did not extend
 7 circumferentially. Fair enough?
 8 A. Is this a hypothetical? Because that was not the
 9 case here.
 10 Q. Yes, it is a hypothetical. Would you assume that
 11 for me, please.
 12 A. I *think* you have to understand that there really
 13 isn't such a thing as a localized dissection. I
 14 *think* dissections develop and propagate very
 15 quickly to the point where local repair of a
 16 problem that you feel is a dissection, if it is a
 17 localized problem, is probably that of a hematoma
 18 rather than a dissection itself.
 19 Q. Doctor, have you ever heard of observation of an
 20 aortic dissection?
 21 A. In a Type B dissection, yes. In a Type A
 22 dissection, no.
 23 Q. What is the difference between Type A and B?
 24 A. Type B dissection originates distal to the origin
 25 of the left subclavian artery, and a Type A

Page

1 dissection involves the ascending aorta.
 2 Q. Have you ever read in the literature that a
 3 dissection as a result of angioplasty to the
 4 ascending aorta or the junction of the right
 5 coronary artery of the ostium with the aorta,
 6 have you ever read in the literature that that
 7 was treated conservatively via observation?
 8 MR. MEADOWS: Objection.
 9 A. I have never personally read of anybody observing
 10 an iatrogenic ascending aortic dissection without
 11 operating upon the patient.
 12 Q. Have you any knowledge of a relationship, a
 13 proportionate relationship, between the extent of
 14 a dissection in the aorta and mortality, meaning
 15 the longer the dissection, the higher the
 16 mortality risk?
 17 A. There is no question that the more of the aorta
 18 that is involved in aortic dissection process,
 19 the greater the mortality is both short-term and
 20 long-term.
 21 Q. Would you agree with me that if it is zero to
 22 five millimeters, the risk of mortality is less
 23 than 50 percent?
 24 A. No.
 25 Q. Even assuming it did not extend from that length?

Page 42

Page 44

1 A. I have seen patients and reviewed cases of
 2 patients who have had very, very limited aortic
 3 dissections, less than a centimeter or a
 4 centimeter and a half who have exsanguinated from
 5 pericardial -- or died from pericardial tamponade
 6 due to localized dissection in the ascending
 7 aorta. They are not to be treated medically.
 8 Q. Perforation would have had to have occurred
 9 there; is that correct?
 0 A. That's correct.
 1 Q. Do you recall the name of the case that you had
 2 worked on for Bruce Vandevusse up in Detroit?
 3 A. I don't remember the name of --
 4 Q. Not the name of the plaintiff or the defendant
 5 physician?
 6 A. No. It was an emergency room physician was the
 7 defendant that I was defending, and I don't
 8 remember the name.
 9 Q. Do you know whether any photos were taken of
 0 Janice Gilbert in this case when she went for CT
 1 surgery?
 2 A. Intraoperative photographs?
 3 Q. Yes.
 4 A. I am unaware of whether or not they took any
 5 photographs.

Page 43

1 MR. MEADOWS: Do you know of any,
 2 George?
 3 MR. LOUCAS: What is that?
 4 MR. MEADOWS: Do you know of any?
 5 Q. You state in your report --
 6 MR. MEADOWS: Let the record
 7 reflect George didn't answer me.
 8 Q. I am going to be talking about your report,
 9 Doctor, so please feel free to look it over when
 0 we talk about it.
 1 In the first paragraph on page one you have
 2 in the middle, "At that time she was found to
 3 have well preserved left ventricular function."
 4 You are talking about after her MI, correct?
 5 A. Subsequent to her myocardial infarction and
 6 receiving the TPA, yes.
 7 Q. Do you know what her ejection fraction was?
 8 A. I would have to look at Dr. Nukta's actual
 9 catheterization report.
 10 Q. Do you recall whether it was within normal
 11 limits?
 12 A. I would assume that if I wrote down here "well
 13 preserved left ventricular function" that she had
 14 left ventricular function that was either normal
 15 or closely thereby.

1 Q. That is fair enough. I want you to assume that
 2 she just had the two dissections in the right
 3 coronary artery, and that she had then been
 4 referred over to surgery and it was successful
 5 with the right coronary artery bypass.

6 What is your opinion on what the length of
 7 her life would have been to a reasonable degree
 8 of medical certainty?

9 MR. MEADOWS: Show an objection.

0 You are asking him to take into account all of
 1 her other risk factors?

2 MR. LOUCAS: Yes.

3 A. I think you would have to suppose that she would
 4 probably live into her 70s.

5 Q. Mid-70s?

16 A. 70 to 75, I would say.

7 Q. I had asked you a hypothetical, and I am going to
 18 ask you to assume it. I understand that you
 19 disagree with the contents of my hypothetical,
 20 the facts I am presenting, but there will be,
 21 obviously, differing opinions, so I am going to
 22 ask you to assume that it is true that at the
 23 point she had two RCA dissections, assume that
 24 the presentation of the iatrogenic aortic
 25 dissection was localized and did not extend.

Page 45

1 Had she been referred over for surgery at
 2 that point in time, first of all, what would the
 3 surgery have entailed, more likely than not?

4 MR. MEADOWS: Before you answer, I
 5 want to make an objection and a motion to strike
 6 that first part in terms of what you expect the
 7 opinions in this case to involve. And also I
 8 object to the facts that you are asking him to
 9 assume, because I think he has already told you
 10 that his opinions are that those are facts that
 11 can't be assumed.

12 But with that objection, you can go ahead
 13 and answer.

14 MR. LOUCAS: Fair enough.

15 Q. (Continuing.) Go ahead, Doctor.

16 A. I think that the time from when the dissection
 17 occurred until the time that the patient is
 18 actually operated upon, the overwhelming
 19 likelihood is that the entire ascending aorta
 20 would have been involved, regardless of the time
 21 frame with which she would have been transported
 22 from the cath. lab to the OR.

23 Given the sequence of events that you are
 24 constructing, if you are saying she has a limited
 25 dissection involving the ascending aorta, the

Page 46

Page 48

1 mainstay of therapy for that would be to remove,
2 completely excise, that segment of the ascending
3 aorta that is involved in the dissection process,
4 regardless of the extent that is involved, and
5 utilize a Dacron patch or a Dacron tube graft to
6 replace that segment of the aorta.

7 Q. And assuming further that it occurred at the
8 junction of the right coronary artery with the
9 aorta with Janice Gilbert, what would her risk of
0 mortality be with just that procedure and the
1 right coronary artery bypass?

2 MR. MEADOWS: Objection.

3 A. It depends upon whether or not it is an isolated
4 localized dissection to just the ostium of the
5 right coronary artery, or whether there is
6 valvular insufficiency, or whether the dissection
7 has propagated in any way, shape or form.

8 It is a hypothetical case that clearly was
9 not present in this case at any point in time,
0 and I have never been in a situation where I have
1 seen it, a dissection that is localized like that
2 to the ostium of the right coronary artery. So I
3 am not sure that I could fairly answer that
4 question.

5 Q. I understand. It is a hypothetical.

Page 47

1 Doctor, I am going to ask you to assume
2 these set of facts and provide an opinion, if you
3 are able. If you can't give me an opinion, tell
4 me.

5 I am asking you to assume no valvular
6 dissection, just the two dissections in the right
7 coronary artery with an initial onset localized
8 dissection at the junction of the right coronary
9 artery with the aorta.

0 With just repair of that, as described,
1 nothing else, what is your opinion of the risk of
2 mortality to Janice Gilbert?

3 MR. MEADOWS: Object. He has
4 already answered that. He just answered that.

5 Q. Go ahead, Doctor.

6 MR. MEADOWS: Answer it again.

7 A. I can repeat what I said, but I am not sure --

8 Q. Are you saying, then, that you wouldn't be able
9 to do repair of just that?

10 A. I don't know that there is anybody who has any
11 statistics on that. I don't think that that
12 operation is ever done.

13 Q. Assuming, then, that it was just a patch of that
14 area of the aorta with the right coronary artery
15 bypass, do you have an opinion what the risk of

1 mortality to Janice Gilbert would have been?

2 MR. MEADOWS: Objection.

3 A. Well, you would have to patch the aorta. And
4 then you would have to ligate the aorta at the
5 proximal portion, ligate the right coronary
6 artery at its proximal origin from the aorta, and
7 then do a bypass graft.

8 And I don't know that there are any series
9 of patients where that operation has been carried
0 out so that you can actually state with any
1 reasonable degree of certainty as to what the
2 mortality rate for that is.

3 Q. So you are telling me you don't know of any
4 limited aortic dissection that has been repaired?

5 MR. MEADOWS: No, no, he just said
6 he doesn't know that there are studies.

7 A. That is not what I said, I said there is no
8 series of patients who have undergone limited,
9 repairable aortic dissection to be able to tell
10 you with any degree of probability what the
11 mortality and morbidity is.

12 Q. How about based on your experience?

13 A. I have never done an operation such as you are
14 talking about. I don't know of any surgeon who
15 has.

Page 49

1 Q. That would mean, then, that your opinion is that
2 every aortic dissection extends and just does not
3 remain localized, period, correct?

4 A. The only time I have ever treated a localized
5 dissection is when the dissection has occurred to
6 me in the operating room and I have literally
7 been right there with the chest open and can deal
8 with it right at the time it occurs.

9 And even so, in the overwhelming percentage
10 of iatrogenic dissections that develop in the
11 operating room, they are instantaneous and
12 require complete excision of the entire ascending
13 aorta.

14 Q. What is the mortality rate, then, for iatrogenic
15 aortic dissections in your line of work?

16 A. It is the same as would be for somebody who has a
17 spontaneous dissection. Again, it depends upon
18 the degree of involvement of the aorta, of the
19 coronary arteries, of the aortic valve, and
20 whether or not there is propagation of the
21 dissection into the transverse aortic arch and
22 descending thoracic aorta.

23 Q. Well, I want to get through this. I want to know
24 with your experience or knowledge with dissection
25 of the ascending aorta and of the descending

Page 50

Page 52

1 aorta that occurs iatrogenically in cardiac
 2 catheterization.
 3 A. The reported series are between 5 and 30
 4 percent. Some series are actually even a little
 5 higher.
 6 Q. So it is your opinion, then, that the dissection
 7 occurred within seconds to minutes in antegrade
 8 fashion as well as retrograde involving the
 9 aortic valve and creating insufficiency, correct?
 0 A. Yes.
 1 Q. And what level insufficiency would you attribute
 2 to that dissection?
 3 A. On a scale of 1 to 4-plus, 3 to 4-plus would be
 4 thenumber.
 5 Q. And were you able to assess a level of
 6 insufficiency based on the films that you
 7 reviewed?
 8 A. Yes.
 9 Q. Did you do that at the time you noticed the
 10 initial dissection, in other words, assess a
 11 level of 3 to 4?
 12 A. No, I think the assessment is made at the time
 13 where there is a root injection performed by
 14 Dr. Nukta.
 15 Q. When you say "root injection," are you talking

1 believe she needed surgery at that point?
 2 A. Yes.
 3 Q. What is your opinion as to the urgency or the
 4 timeliness that that surgery should have been
 5 undertaken?
 6 A. I think the more expedient you can get a patient
 7 to the operating room to treat an aortic
 8 dissection, the greater the likelihood that you
 9 will have a successful outcome.
 0 Q. Did you believe that the conclusion of the
 1 aortogram with assuming successful CT surgery she
 2 would have survived, more likely than not?
 3 A. Yes.
 4 Q. Then how does timeliness come into play? In
 5 other words --
 6 A. Well, it does only in one instance, and that
 7 instance being if while you are waiting to get a
 8 patient to the operating room the aorta
 9 perforates and you develop cardiac tamponade, and
 10 then you are all of a sudden taking a situation
 11 that is relatively well controlled with a
 12 relatively good likelihood of an outcome that is
 13 favorable and turned it into a situation where
 14 you have a problem where the outcome is much more
 15 problematic.

Page 51

Page 53

1 about the aortogram?
 2 A. Correct.
 3 Q. So prior to the aortogram, you were not able nor
 4 are you able to assess a level of insufficiency
 5 to her aortic valve, correct?
 6 A. You can ascertain that there is aortic
 7 insufficiency at that time, and you are unable to
 8 ascertain the degree of aortic insufficiency,
 9 which is, I suspect, the reason that Dr. Nukta
 10 went ahead and did an aortogram, so that his
 11 surgeon would be able to ascertain whether or not
 12 he needed to do something with the aortic valve.
 13 Q. In your opinion, after reviewing that film, did
 14 the level of aortic insufficiency increase at all
 15 during the procedure of September 14 by the time
 16 he was done with the aortogram?
 17 A. There is no way to know that because you don't
 18 have a root injection at another point in time
 19 and another root injection at another point in
 20 time. You only have one study that is done to
 21 diagnose the degree of insufficiency in the
 22 aortic valve, and that is the one root injection
 23 that was done.
 24 Q. At the conclusion of the aortogram, what is your
 25 opinion as to the urgency? First of all, do you

1 Q. Excluding, then, perforation, and we go back to
 2 Janice Gilbert, what is the likelihood that she
 3 is going to survive?
 4 A. Provided they remain hemodynamically stable
 5 during the interim time period from when the
 6 diagnosis is made and the operative procedure is
 7 begun, there really shouldn't be a dramatic
 8 difference in terms of their likelihood of
 9 getting a good outcome.
 10 Q. So it doesn't really matter, then, without
 11 perforation, the time, whether she is referred to
 12 surgery in 15 minutes or three hours?
 13 A. Once you have developed the dissection, and again
 14 it depends a lot upon the degree of involvement
 15 of the aorta, once you have developed the
 16 dissection, provided you don't have ischemia of
 17 the heart or cerebrovascular insufficiency or
 18 aortic perforation or some other evidence of end
 19 organ ischemia, the likelihood of a successful
 20 outcome should be the same.
 21 Q. Generally speaking, the bottom line is the sooner
 22 you get in there, the better?
 23 A. Well, it is a safer situation to be in an
 24 operating room, because if something bad happens,
 25 you can then put them on bypass immediately.

Page 54

Page 56

1 Q. And the sooner you get in there, the likelihood
2 is you would have an opportunity to prevent
3 further extension, if there were going to be
4 further extension?

5 A. It depends upon the degree of extension that
6 there is at the time the dissection occurs.
7 Sometimes the dissection process extends the
8 entire length of the aorta in a very few
9 heartbeats, so it is totally variable and based
0 upon the patient that you are dealing with.

1 I have had dissections develop in an
2 operating room where I have been standing there
3 where the entire aorta has dissected within a
4 matter of four or five heartbeats.

5 Q. When you have an entire dissection involving the
6 aorta like that, what do you expect to be the
7 clinical response?

8 A. You will have to be more specific about your
9 question.

0 Q. How does the body respond to a dissection that
1 goes all the way up the aorta and into the
2 transverse arch? Hemodynamically, does it react
3 at all? Does the heart rate increase? What do
4 you typically see with that scenario?

5 A. In general terms of the hemodynamics, you will

Page 55

1 see a rise in the heart rate and a rise in the
2 blood pressure.

3 Q. May we agree, then, once that occurs the standard
4 of care is to keep the heart rate low to prevent
5 the heart from extending it even further?

6 MR. MEADOWS: Once what occurs?

7 MR. LOUCAS: An aortic dissection.

8 Q. (Continuing.) That the standard of care would be
9 to decrease the heart rate so as not to permit
0 the beating of the heart to extend that
1 dissection even further?

2 A. Yes.

3 Q. Page two, paragraph one, second sentence, the
4 latter part, "The right and left main coronary
5 arteries were involved with the dissection and
6 deemed unacceptable for reimplantation."

7 What did you mean by that?

8 A. I am sorry, again, you are asking for which --
9 okay.

0 Q. First full paragraph.

1 A. At times when you are putting in a composite
2 graft, that being a valved conduit, heart valve
3 that has a Dacron tube that comes off of it, the
4 best way to deal with coronary arteries are to
5 take them off as buttons of the aorta and sew

1 them back onto the Dacron graft. It maintains
2 normal continuity. You don't have to put a
3 bypass graft.

4 For one reason or another, the surgeons who
5 were there at that time felt that the right
6 coronary artery ostium and the surrounding area,
7 which is the button that you, in general, would
8 take off to sew back on, was unacceptably damaged
9 from the dissection process to try to reattach it
0 to the Dacron graft.

11 Similarly, the left main coronary artery was
12 involved to the point where they were not
13 comfortable reattaching it to the Dacron graft.

14 Q. Are you critical of the care rendered to Janice
15 Gilbert by the cardiothoracic surgeons?

16 A. I am not critical. I probably would have done
17 the operation a little bit differently, but that
18 is -- surgeons do operations in different
19 fashions.

20 Q. It is not your opinion, then, that they rendered
21 substandard care in their treatment of her; is
22 that correct?

23 A. No, it is not.

24 Q. Is it your opinion that had they done something
25 differently, it would have increased or decreased

Page 57

1 the likelihood of her survival?

2 A. I would have carried the operation out in a
3 different fashion. I have a relatively good
4 track record in dealing with these sorts of
5 problems. The fact that I do it that way is more
6 of a personal preference than a criticism of
7 their attempts to repair the problem they were
8 met with.

9 Q. Would you go ahead and tell me what the
10 difference is, meaning how you would have done it
11 versus the way they did it, in your opinion.

12 A. I would probably not have replaced the aortic
13 valve. I probably would have resuspended the
14 valve. I probably would have done it under
15 circulatory arrest, so as not to have placed a
16 clamp on the aorta.

17 I would have utilized retrograde cerebral
18 perfusion to perfuse the brain during the period
19 of circulatory arrest.

20 And then, depending upon how diseased the
21 coronary arteries were, I would have either
22 bypassed them, as they had done, or tried to
23 reimplant them, as they did not.

24 Q. Resuspending the valve, how would that have
25 affected mortality, increased or decreased?

Page 58

Page 60

1 A. It would have decreased the mortality had the
2 valve resuspension worked such to the point where
3 you wouldn't have had to replace the valve.

4 Q. What is your opinion as to why the valve could
5 have been resuspended instead of replaced?

6 A. There is no way for me to know that without
7 actually being there at the time and looking at
8 the valve pathology.

9 These surgeons felt that at the time when
0 they were there the valve was distracted enough
1 from its supportive structure that it was not a
2 repairable valve.

3 There is no way for me to tell you, without
4 actually being in that situation, whether the
5 valve was reconstructible or not.

6 The hypothetical situation you are asking me
7 is: How would I do this differently? Ideally,
8 the valve would be repairable and we would be
9 able to resuspend it and be able to retain a
10 competent valve.

11 Q. Is there any relationship between the level of
12 aortic sufficiency, a 3 or 4, as you have
13 described, to the degree of damage to the aortic
14 valve?

15 A. No. Very frequently valves that are this

Page 59

1 insufficient due to a dissection are that
2 insufficient solely because they have lost the
3 supportive structure, the commissure of the
4 valve.

5 And resuspending the valve or gluing the
6 walls of the aorta back together can result in
7 complete competency of the valve once it is
8 repaired.

9 Q. Circulatory arrest, how would that have affected
10 mortality, increase or decrease?

11 A. It decreases the operative mortality of this
12 procedure.

13 Q. Why would you have done that?

14 A. It is a safer way to construct the distal
15 anastomosis. It allows you to actually look into
16 the transverse aortic arch to ascertain whether
17 or not you have involvement and how much
18 involvement you have. It allows you to ascertain
19 whether or not you have a reentry point in the
20 transverse aortic arch, and allows you to perform
21 a tension-free anastomosis in a bloodless field.

22 MR. MEADOWS: Were you through
23 with your earlier answer to the question as to if
24 there was anything else you would have done
25 differently?

1 I think you asked him the significance of
2 replacing or not replacing the valve in the
3 middle of his answer to your previous question.

4 A. There are other things in terms of cardioprotec-
5 tion that I would do differently, for example,
6 giving cardioplegia in a different fashion than
7 which they did.

8 The other things would be based upon what
9 they actually saw and had to deal with at the
0 time that they did their procedure.

1 Q. Are you done?

2 A. Yes.

3 Q. I was going to ask you whether the circulatory
4 arrest you were just talking about had anything
5 to do with the method of giving cardioplegia?

6 A. No, it does not.

7 Q. Why don't you tell me about the ability or
8 inability to administer cardioplegia to the right
9 ventricle in this case. Do you have an opinion
10 in that regard?

11 A. When I do cardiac surgery, Virtually 100 percent
12 of the time I used retrograde cardioplegia. I
13 don't give antegrade cardioplegia at all. It is,
14 I think, a safer way to deliver cardioplegia to
15 the heart, and results in a more uniform cooling

Page 61

1 and a more uniform protection.

2 Q. What did they do here?

3 A. They utilized antegrade cardioplegia.

4 Q. What is your criticism of that, if any?

5 A. It is a different manner in which surgeons give
6 cardioplegia. There is a very high percentage of
7 cardiac surgeons who use antegrade cardioplegia,
8 and there is a significant number of surgeons who
9 use retrograde cardioplegia, and there are some
10 who use both.

11 Q. Did it make any difference in her outcome that
12 they used antegrade?

13 A. I am not exactly sure that there was a difference.
14 I don't think you can say specifically that the
15 outcome was affected by the manner in which
16 cardioplegia was delivered.

17 I think what you can say is that there are
18 different ways to deliver cardioplegia that might
19 have resulted in better protection to the right
20 coronary and right ventricular chamber. But,
21 again, that is purely supposition.

22 Q. Were they able to administer cardioplegia to the
23 right ventricle?

24 A. They were able to administer cardioplegia in the
25 left main coronary artery, but not in the right.

Page 62

Page 64

1 Q. And the significance of that?

2 A. It depends upon the amount of cardioplegia that

3 they administered, and it depends upon the amount

4 of collateral flow you have from the left-sided

5 vessels to the right-sided vessels.

6 Sometimes if you give a healthy dose of

7 cardioplegia just through the left side it will

8 percolate around through the collateral systems

9 into the right coronary system and basically

0 protect the right ventricle, as well as the

1 inferior wall of the right and left ventricle

2 that would ordinarily be taken care of by a right

3 coronary injection.

4 Q. Do you know if she was right-side dominant?

5 A. I believe she was right-side dominant.

6 Q. Are you aware of the extent of collateralization

7 of blood supply between the two systems with

8 Janice Gilbert?

9 A. In her case the left-sided injection did not

0 really result in a lot of fill of the right

1 coronary artery.

2 Q. What is the relationship of what you are talking

3 about with this cardioplegia to an infarct, a

4 ventricular infarct?

5 A. Well, you can have an infarct from a number of

1 inadequate protection of the heart. Cardioplegia

2 can develop as a result of a problem on the left

3 side of the heart. There are a number of things

4 that can cause right ventricular failure.

5 Q. What do you think caused it here?

6 A. I don't think there is any way to ascertain that

7 because we didn't really get an intraoperative

8 transesophageal echocardiogram to look at the

9 right ventricular chamber to ascertain whether or

0 not it was indeed stunned or whether or not there

1 was actually an infarction process going on. We

2 don't know exactly what caused her right

3 ventricular failure.

4 Q. Did you find any evidence of an infarct?

5 A. There is nothing that is stipulated specifically

6 in the operative note itself. All it talks about

7 is the right ventricle not pumping in an adequate

8 fashion.

9 There are a number of things that you can do

0 when you are in the operating room to ascertain

1 what exactly the problem is with getting somebody

2 off bypass.

3 I think most important is to use a

4 transesophageal echocardiogram to actually look

5 at all the chambers of the heart to see which

Page 63

Page 65

1 things. By and large, an infarction develops

2 when you have an absence of antegrade flow down a

3 coronary artery for one reason or another.

4 Q. I am basically asking you -- I am going to bare

5 my ignorance here, because I have heard

6 "ventricular infarction," and I have no idea

7 what we are talking about here.

8 A. Infarction is the end stage process in an

9 ischemic event. When you occlude somebody's

0 coronary artery, they develop ischemia. And as

1 that ischemia progresses with time, the muscle

2 becomes more and more ischemic to the point where

3 the muscle cells themselves become nonviable and

4 die. That is the process when you then develop

5 an infarction.

6 Q. So what do you think caused her death here,

7 Janice Gilbert?

8 A. Right ventricular failure.

9 Q. Is that unusual? Is that something you did not

0 expect to see in a case like this?

1 A. I think it is one of the -- it is a complication

2 of any open heart surgical procedure. It can

3 develop as a result of a multitude of different

4 things, be it ischemia, in other words, not

5 enough fluid, or it can develop as a result of

1 chambers are beating and which are not. That

2 then allows you to treat the patient in an

3 appropriate fashion.

4 Q. Addressing the area of more timely importance?

5 A. What it does is allows you to assess which

6 portion of the heart is not functioning in an

7 adequate fashion such that it will allow you to

8 separate the patient from bypass.

9 Q. When you say antegrade flow, which direction is

0 that?

1 A. That is in a normal anatomic pathway.

2 Q. Was it your opinion that it was a ventricular

3 infarction that did her in? I know I heard that

4 someplace.

5 A. There is no way to know whether there was

6 ventricular infarction or whether the ventricle

7 itself was stunned.

8 Q. Is it your opinion that this would have been the

9 end result, regardless of the extent of

0 dissection, with this patient going into CT

1 surgery?

2 A. No, I think that it is an unpredictable

3 complication that developed as a result of the

4 operative procedure. Does it happen? Yes, it

5 happens.

Page 66

Page 68

1 Q. Doctor, you say "It is the force of cardiac
2 contraction along with the intraluminal pressure
3 that results in the propagation of the
4 dissection, not the instrumentation."
5 Are you saying that instrumentation can
6 never propagate a dissection, extend it?
7 A. Instrumentation is the etiologic agent that
8 allows for the dissection to develop. The
9 propagation of the dissection is the result of
0 the force of the cardiac contraction and the
1 pressure with the lumen of the organ. It is in
2 every instance, whether it is spontaneous or
3 iatrogenic.
4 Q. That is assuming that it takes the path that
5 you have so described, meaning that it is
6 instantaneous and extended?
7 A. Regardless, you have to have an etiologic factor
8 that allows blood to enter the media of the
9 aorta. That then becomes separate from the
0 dissection process, which is that which develops
1 after the etiologic process begins.
2 Q. Do you consider contrast material to be an
3 extension of instrumentality, in other words,
4 contrast material being forced into that lumen,
5 that false lumen, could act the same as the blood

1 utilized in a situation like this that was
2 happening with respect to Janice Gilbert?
3 A. I think the most expedient manner to diagnose the
4 dissection was that which was undertaken, and in
5 this case it was an aortogram.
6 Q. Why do you say the most expedient?
7 A. Because the patient was in a catheterization
8 laboratory with a catheter in the aorta. It was
9 a matter of injection of the ascending aorta to
0 confirm the diagnosis and ascertain the degree of
1 aortic insufficiency.
2 Q. Actually what you are worried about then in
3 timeliness with regard to getting her into the
4 hands of CT surgeons on an emergent basis is the
5 level of insufficiency, not the extent of the
6 dissection?
7 A. Well, you want to know the extent of the
8 dissection, as well.
9 Q. Why is that?
0 A. Because it will tailor or make you tailor your
1 operative procedure in one way, shape or form.
2 Q. And it perhaps may increase or decrease mortality
3 on that basis?
4 A. Depending upon whether or not you have
5 involvement of the valve with insufficiency or

Page 67

Page 69

1 flow in causing a propagation of a dissection,
2 correct?
3 MR. MEADOWS: Objection.
4 MR. TREU: Objection.
5 A. I think any time you have fluid in a false lumen
6 where there is communication between a true and
7 false lumen, be it either contrast material or
8 blood, which in this case were admixed, the
9 actual presence of the contrast material has
0 nothing to do with the propagation of the
1 dissection.
2 The dissection is propagated by the beating
3 of the heart and the pressure on the wall.
4 Q. Contrast material, do you know whether it was
5 hyperosmolar or not in Janice Gilbert?
6 A. Most contrast material is hyperosmolar. But
7 there is so much mixing between the true and
8 false lumen that the degree of hyperosmolarity
9 within the false lumen at any one point in time
0 is very, very small.
1 Q. Do you know what the hyperosmolality is of
2 Optiray?
3 A. I do not.
4 Q. Doctor, you talked about three ways to diagnose
5 an aortic dissection. Arc any of these three

1 whether or not you have a localized dissection
2 that involves just the ascending aorta and not
3 the transverse arch.
4 Q. Of the three that you mentioned, and you have
5 mentioned "the most expedient," which is the most
6 expedient?
7 A. In this case, not in all cases -- the majority of
8 cases, the most expedient way to diagnose these
9 is either to be by CT scan or transesophageal
0 echocardiography. But that. Again is in a
1 situation where you are having a spontaneous
2 dissection not an iatrogenic dissection.
3 Q. Janice Gilbert, the most expedient you said is
4 aortography. However, he could have used one of
5 the other two, as well?
6 A. There was no reason to.
7 Q. But the question was: He could have, however?
8 MR. MEADOWS: Objection.
9 A. He could have, but it would probably have been a
0 deviation from the standard of care in the fact
1 that he already had a catheter in the ascending
2 aorta. There is no reason not to do the most
3 expedient test to make the diagnosis. And that
4 would be aortography.
5 Q. Which one is the most accurate in terms of

Page 70

Page 72

1 identifying the dissection or the extent of the
2 dissection?
3 A. I think that each type of modality has its
4 benefits and pluses and minuses in terms of what
5 it will tell you about a patient who has an
6 aortic dissection.

7 The gold standard by which all other methods
8 are compared against remains aortography. The
9 problem is in spontaneous dissections it is not
0 the most expedient.

1 Clinicians have had a tendency over the last
2 few years to move more towards utilizing CT
3 scanning or transesophageal echocardiography
4 simply because they can get an answer very, very
5 quickly.

6 Q. But my question was: Between the other two
7 options, which one would be more accurate in
8 defining the extent of the dissection with
9 somebody like Janice Gilbert?

0 MR. MEADOWS: Asked and answered.
1 Objection.

2 A. The most accurate, I feel, test to give a cardiac
3 surgeon the most information that they can get is
4 a transesophageal echocardiogram.

5 Q. What is the significance of the pulmonary

Page 71

1 arterial pressure recorded at 36 over 22. You
2 mentioned that specifically.

3 A. Well, I think that it tells you at the time that
4 the patient presented to the operating room that,
5 from a cardiac contractility standpoint, she was
6 in relatively good shape. She didn't have any
7 significant ischemia involving either of the
8 coronary arteries; that she didn't have any
9 evidence of cardiac tamponade, as evidenced by
0 the fact that her pressures were relatively
1 normal, along with her hemodynamic parameters at
2 the time that she entered the operating room.

3 (Thereupon, Kris Treu, Esq. leaves the
4 conference room.)

5 Q. Upon what do you base the fact that she didn't
6 have any ischemia?

7 A. Patients with abrupt occlusion of the right
8 coronary artery, which is usually the artery that
9 is involved in a spontaneous dissection, or in
10 this case involved in an iatrogenic dissection,
11 especially somebody who has a large dominant
12 right coronary artery like this, will develop
13 significant elevations in the pulmonary arterial
14 pressures if they have global ischemia involving
15 the right and left ventricles.

1 Q. Level II surgery, it said in the records at
2 Fairview when he undertook the angioplasty. What
3 is a Level II procedure? Do you have any idea
4 what that is or what that means?

5 A. Usually at a PTCA that is done. A Level III is
6 one where you have an operating room available
7 and a surgeon available at that time to take care
8 of whatever problem might arise.

9 A Level II angioplasty is that you have a
0 surgeon who is available within a half an hour or
1 45 minutes of the hospital, and that you have the
2 facility by which to undertake an operative
3 procedure should one be necessary in a reasonable
4 facile fashion.

5 Q. So the half hour to 45 minutes means what, that
6 the surgeon just has to be on the property within
7 half an hour or 45 minutes?

8 A. That he Will be able to consult within a half an
9 hour or 45 minutes.

10 Q. So it doesn't mean that the standby team should
11 be able to begin surgery within 45 minutes?

12 A. No. It is very rare that even in emergent
13 situations that you are actually able to
14 immobilize a team and physically have the
15 operating room set up such that you are able to

Page 73

1 transport the patient from Point A to Point B and
2 start the operative procedure at that time.

3 Q. Let's take a look at that film, Doctor, and then
4 we can finish up.

5 A. All right.

6 MR. LOUCAS: What I suggest, Bill,
7 is that we go ahead and put the tape in, and I
8 will go ahead and direct it to where I want to
9 look, and you can size up the cine. film at the
10 same location for clarity.

11 (Thereupon, a short recess was taken, and
12 Richard Vadnal, Esq. has left the conference
13 room.)

14 Q. (Continuing.) Doctor, as we begin to look at
15 this tape, when you received the file materials,
16 and I have gone through everything that you have
17 reviewed, did you look at the tape first?

18 A. No, I did not.

19 Q. Did you read the material first and then review
20 the tapes?

21 A. Yes.

22 Q. Did you find an inconsistency between your
23 interpretation of the September 14 film and the
24 operative report of Dr. Nukta of the September 14
25 procedure?

Page 74

1 A. No, I did not.
 2 Q. Let the record reflect that I am going to go
 3 ahead and start this.
 4 Doctor, would you tell me when you first see
 5 a sign of aortic dissection, and I will hit the
 6 pause button.
 7 A. There.
 8 Q. That is at approximately one minute and ten
 9 seconds?
 0 MR. MEADOWS: That is where you
 1 stopped it. It might be --
 2 Q. About a minute eight?
 3 A. One minute eight, one minute nine.
 4 Q. How is it that you define dissection?
 5 A. I don't know that you can specifically say that
 6 there is a dissection. What you can say is there
 7 is an abnormal collection of dye in a concentric
 8 fashion that would make me concerned that there
 9 is a false lumen or an area of abnormal blood
 0 entry into the wall of the aorta.
 1 Q. Would you agree with me that, more likely than
 2 not, at one minute and eight seconds when you see
 3 the abnormal accumulation, more likely than not,
 4 it had been there at some point prior to the one
 5 minute and eight seconds?

Page 75

1 A. I think the first time you see evidence of it is
 2 here. I don't think that there is a way that you
 3 can specifically state what has happened
 4 preceding that unless you can actually see the
 5 process, it would just be speculation.
 6 Q. Well, I am going to ask you, with the benefit of
 7 hindsight, knowing what you see there, if you
 8 back it up before one minute and eight seconds,
 9 do you think, more likely than not, there was a
 0 dissection there?
 1 A. I think you have entry of blood into a false
 2 passage at this point in time. As to how much
 3 the dissection is involved at this time, you
 4 can't say because you haven't been able to
 5 actually look at the entire aorta.
 6 Q. Are you able to tell me more likely than not the
 7 extent of this dissection?
 8 A. At this time, no.
 9 Q. Why not?
 10 A. Because you are not visualizing the entire aorta,
 11 nor are you visualizing the valve.
 12 Q. Where is the valve?
 13 A. The aortic valve should be right down in here,
 14 and the rest of the aorta should be coming up
 15 here.

Page 76

1 Q. Does it appear that that right there, that
 2 dissection that we are looking at at 1:10:02, has
 3 extended retrograde to the valve at that point?
 4 A. You don't know that. And right here at this time
 5 you don't even know that there is a dissection.
 6 All you know is that there is an abnormal
 7 collection of contrast that is concerning.
 8 What the next step then becomes is that
 9 abnormal collection of contrast, that is
 10 something I need to be worried and concerned
 11 about. What exactly is the etiology of this
 12 collection of dye?
 13 Q. What is the standard of care, then?
 14 A. Depending upon whether or not it is noticed at
 15 the time by the person who is doing that, what I
 16 would then do would be want to ascertain exactly
 17 what this is, which may entail an injection into
 18 the sinus of Valsalva to really see if there is
 19 an abnormality there or something that you are
 20 concerned about, and then perhaps go from that
 21 situation to try to ascertain whether or not you
 22 have involvement in the valve or involvement of
 23 the ascending aorta.
 24 Q. You are saying the purpose of that injection
 25 would be to make sure there is a dissection, that

Page 77

1 that is just not a --
 2 A. I think once you see an abnormal -- go ahead.
 3 Q. In other words, the purpose of the sinus
 4 injection you are talking about is to make sure
 5 this is not a transient accumulation of contrast
 6 material, rather truly is a dissection?
 7 A. As to delineate what the etiology or what the
 8 process is that is going on in the sinus of
 9 Valsalva that has resulted in this abnormal
 10 accumulation of dye here, because you don't
 11 really know at this time what that is from or
 12 what the extent of that process is.
 13 Q. With a dissection, and two right coronary artery
 14 tears as it appears there, assuming this is an
 15 aortic dissection right here, is she a candidate
 16 for surgery?
 17 A. You don't know from what you see here because you
 18 don't know what is going on. I would not operate
 19 on this patient with this film to look at. I
 20 would need more information.
 21 Q. What information would you need?
 22 A. I would need to know whether or not this is,
 23 indeed, an aortic dissection, whether or not the
 24 tubular portion of the ascending aorta is
 25 involved, and whether or not there is valvar

1 insufficiency. And at this point in time we
2 don't have any of that information.
3 Q. Let's assume it is an aortic dissection, but
4 there is no valvar involvement.
5 A. But the problem is you don't know that from this
6 picture.
7 Q. I am asking a hypothetical.
8 MR. MEADOWS: For this picture, on
9 the record, we are talking about 1:10:02.
0 Q. Assuming this is an aortic dissection, but it has
1 not extended into the valve, nor up the aorta,
2 what, as a CT surgeon, would be your opinion on
3 her surgical candidacy?
4 A. If, indeed, this was a localized dissection to
5 the right coronary sinus of Valsalva and there
6 was a problem with the right coronary *artery*, I
7 would recommend that she undergo operative
8 correction for that.
9 Q. And what procedure would have to be performed?
0 MR. MEADOWS: Show an objection,
1 because you have asked and he has answered this.
2 A. What would be found at the time of operative
3 intervention, more likely than not, if this was a
4 dissection, it would have propagated and involved
5 the ascending aorta and the aortic valve.

1 A. I think if you know that there is an aortic
2 dissection, the answer to that question is yes.
3 Q. Thank you.
4 A. At this time I don't think we knew that, indeed,
5 there was a dissection. We knew there was a
6 problem with the sinus of Valsalva.
7 Q. So it is your opinion here at this point,
8 1:14:12, you still don't know that is an aortic
9 dissection, correct?
0 A. Correct. You have a concern about this, and you
1 know that there is something pathologically
2 problematic within the sinus.
3 Q. What is your opinion on whether additional
4 interventional measures should take place at this
5 point when you have this concern?
6 A. In terms of what type of interaction?
7 Q. Trying to lay an additional stent, or continuing
8 to ~~try~~ to conduct angioplasty, interventional
9 measures versus diagnostic, like an aortogram.
0 MR. MEADOWS: I am going to object
1 because you left out sinus injections, and I want
2 to make sure you make a distinction in your
3 question.
4 A. I ~~think~~ if you are concerned at any point in time
5 about there being a pathologic problem with the

1 If it was just localized, then we would have
2 to tailor an operation based upon what was found
3 at that time of operative intervention.
4 Q. We are at 1:14. Can you tell me whether, in your
5 opinion -- first of all, would you describe for
6 me what you see.
7 A. You see, again, an abnormal collection of dye in
8 the right coronary sinus of Valsalva that is
9 suggestive but not diagnostic for a problem
10 within that sinus.
11 Q. Now we are at 1:14:12. Is that accumulation of
12 contrast material larger, or does it appear
13 larger than the last contrast injection we just
14 viewed?
15 MR. MEADOWS: Objection.
16 A. No. I think you are visualizing it better. I
17 don't think the process has changed. I think you
18 have been able to fill the area of abnormality
19 better and to visualize it better. But I don't
20 think the size of it has changed.
21 Q. May we agree that once you see something on the
22 film which leads you to believe, more likely than
23 not, there is objective proof of an aortic
24 dissection, there is a corresponding duty to
25 place that into the field of vision?

1 wall of the aorta separate from what the
2 procedure was that you were entering the case to
3 do, then you need to abandon whatever other
4 intervention on the right coronary artery is
5 being undertaken, provided that coronary *artery*
6 circulation is stable, and then evaluate the
7 aorta to determine what degree of pathologic
8 problem you have to deal with.
9 Q. In an effort to expedite this deposition, I am
10 going to ask you to go to the cine. machine for
11 lack of a better description.
12 A. That is exactly what it is called.
13 Q. Would you show me what you and Bill were looking
14 at when I was out in the hallway, please.
15 MR. MEADOWS: For the record, he
16 is going through -- do you want him to tell you
17 what we looked at?
18 Q. Why don't we do that from the beginning. Tell me
19 what you see in each injection.
20 MR. MEADOWS: I mean, that is a
21 different question. We didn't necessarily do
22 that in the five minutes we were here.
23 A. We are reviewing a catheterization dated 9/14/95,
24 presumptively of Janice Gilbert, although her
25 name I don't see on here.

Page 82

Page 84

1 Q. If I may just intervene, you are right, Bill.

2 Doctor when you get to the point when I
3 asked the initial question, would you show me
4 what you and Bill were looking at?

5 A. Absolutely. We are reviewing the left main
6 coronary injection performed by Dr. Nukta
7 originally to ascertain whether or not the site
8 of the prior angioplasty and the circumflex had a
9 problem in it. And it appears to be satisfactory.

0 The second view, called the right anterior
1 oblique view, to look at that same area. And
2 that, again, looks quite satisfactory.

3 An injection is then made in the right
4 coronary artery.

5 Q. And this is the third view, correct?

6 A. This is the third view, and a left anterior
7 oblique fashion, and it shows a 60 percent, maybe
8 70 percent, stenosis in the proximal third of the
9 right main coronary artery.

0 Q. Do you have an opinion as to whether that should
1 have been angioplastied on September 12?

2 MR. MEADOWS: On the 12th. He is
3 asking about the first procedure.

4 A. No, I would not have angioplastied this on the
5 12th. I think the patient developed clinical

1 coronary artery.

2 Q. And what were those sheath marks? See these
3 marks up here?

4 A. I suspect that is the markings on the balloon
5 catheter that is doing the angioplasty.

6 Q. This is the sixth view?

7 A. The sixth view, and appears to show a dissection
8 involving the proximal portion of the right
9 coronary artery.

10 Q. Is that post-stent, number one?

11 A. No, this, I believe, is subsequent to the balloon
12 dilatation.

13 Q. So there is no stent in there?

14 A. I don't see a stent being placed.

15 Q. Good enough. This is the seventh view coming up?

16 A. Yes. This may be a subsequent balloon dilatation
17 of the same area. Again, without being there and
18 knowing exactly what he is doing, I don't know
19 specifically what portion of the procedure he is
20 carrying out at this time.

21 Q. I am just concerned that we are losing track of
22 the count here.

23 A. Do you want to go back and start again?

24 Q. Because I saw a sheath mark before, and I didn't
25 see the balloon.

Page 83

Page 85

1 symptoms in the face of prior inferior
2 infarction. I think it behooves the cardiologist
3 involved to make sure this lesion has not become
4 more unstable.

5 At the time Dr. Nukta felt this lesion could
6 present problems for Mrs. Gilbert and felt an
7 angioplasty was indicated.

8 Q. Do you have an opinion whether this RCA lesion
9 was the culprit on September 14 that led to her
0 coming down for the angioplasty?

1 A. I suspect it was the circumflex lesion, and that
2 this was present and may not have cultured, but I
3 *think* the actual culprit lesion was the
4 circumflex.

5 MR. MEADOWS: Asking on the 14th?

6 MR. LOUCAS: The 14th before the
7 discharge.

8 A. I am *sorry*, I have thought you meant on the
9 12th. I don't think there is any way to know
10 whether or not that is causing the chest pain
11 that she is experiencing.

12 Q. Is this the fourth view?

13 A. This is the fourth view.

14 The fifth view appears to be a percutaneous
15 transluminal coronary angioplasty of the right

1 MR. MEADOWS: You don't have to go
2 all the way back.

3 A. This is the first view, second view, third View,
4 which is the first injection of the right
5 coronary artery.

6 Fourth view, which is the second injection
7 of the right coronary artery. Fifth view, which
8 appears to be the initial percutaneous
9 transluminal coronary angioplasty of the right
10 coronary artery.

11 Sixth view, which then shows an apparent
12 dissection in the right coronary artery. And the
13 seventh view, which appears to be a subsequent
14 attempt to dilate the right coronary artery, **as**
15 well, but I can't tell you whether Dr. Nukta had
16 a stent in there that he was dilatating or was
17 just doing a subsequent dilatation in **an** effort
18 to attack back to the dissection.

19 Q. Eighth?

20 A. This is the eighth injection, which continues to
21 show a dissection involving the right coronary
22 artery.

23 The ninth view again continues to confirm
24 the dissection involving the proximal third of
25 the right coronary artery.

Page 86

Page 88

1 Q. I am sorry?

2 A. This is the ninth injection, which continues to

3 show a dissection involving the proximal third of

4 the right coronary artery.

5 Q. But you don't see stents or --

6 A. I don't see a stent being put in here as yet.

7 Q. Do you know at this point whether the first stent

8 has been placed?

9 A. I do not.

0 Q. Okay, please continue.

1 A. Tenth, a similar right coronary injection which

2 continues to show the section involving the right

3 coronary artery.

4 Q. Can you stop it there?

5 A. Yes.

6 Q. That catheter, the tip of the catheter, where

7 would you describe that?

8 A. It is in the proximal portion of the right

9 coronary artery.

10 Q. So it is not in the ostium, or would that be the

11 wrong definition?

12 A. I think all you can say is that it is in the

13 region of the ostium of the right coronary

14 artery, but appears to actually be engaged into

15 the proximal portion itself.

Page 87

1 Q. So what is your definition, then, of a sinus

2 injection?

3 A. A sinus injection is where the catheter itself is

4 outside of the orifice or the ostium of the

5 coronary areas in which the sinus is being

6 injected.

7 Q. What do you see in this tenth shot?

8 A. I see a guiding catheter in the proximal portion

9 of the right coronary artery, and a wire down the

10 right coronary artery itself, and a dissection

11 involving the proximal third.

12 Q. Still one dissection?

13 A. Involving the coronary artery itself.

14 Q. Please go ahead. This is 11.

15 A. Here the guiding catheter appears to have come

16 out of the ostium of the right coronary artery,

17 And the right coronary artery is very poorly

18 filled, really of no clinical benefit.

19 Guiding catheter, this is now 12th

20 injection, this is now back in the right coronary

21 ostium.

22 Q. Stop right there, please. What is this right

23 here?

24 A. That is probably a conus branch coming off of the

25 right ventricle or right atrial branch coming off

1 the proximal portion of the right coronary

2 artery.

3 Q. We are still on number 12, right?

4 A. Yes. Continues to show a dissection involving

5 the right proximal third of the right coronary

6 artery.

7 Q. Any idea whether the stent was laid down there?

8 A. No, I think this is the stent going in here.

9 Q. Now we are on number 13?

0 A. Yes. This, I believe, is where they put the

1 stent. They are inflating the stent here.

2 Q. I am sorry. Stop. Is this still 13 or 14?

3 A. No, this is a new injection. This is number 14.

4 Q. What is that now?

5 A. This is what appears to be an abnormal collection

6 of contrast material.

7 Q. Is it fair to state this is the first time you

8 have seen it, then?

9 A. This is the first time when you have seen the

10 abnormal collection of contrast material.

11 Q. And that is the 14th shot, correct?

12 A. Correct.

13 Q. What else do you see in there, please, Doctor?

14 A. You continue to see dissection involving the

15 proximal third of the right coronary artery, and

Page 89

1 you continue to see reasonably good antegrade

2 flow down that artery.

3 Q. And the stent is the first stent that has been

4 placed, correct? You can't visualize it, but we

5 assume so.

6 A. We assume it has been placed, and I think in this

7 situation I think the second stent had actually

8 already been placed. I think this one was the

9 second stent going in.

10 Q. Where is the first one, then, if that is the

11 second one?

12 A. Again, they are difficult to visualize on here.

13 I can't tell you specifically where exactly the

14 stent is that is being put in, but I think at

15 this point in time the second stent has already

16 been implanted.

17 Q. Is this the first balloon that we have seen?

18 A. No. If you recall back here -- I will go back

19 and show you here.

20 Q. Now we are going to lose --

21 A. No, we will go back. We know where we are.

22 This appears to be, and I don't know whether

23 this is the first stent or actually the second

24 stent, you would need to talk with Dr. Nukta at

25 what point in time in this catheterization film

Page 90

Page 92

1 he is actually deploying the stents.
 2 Q. Is this just where we were?
 3 A. No, this is not where we were.
 4 MR. MEADOWS: I mean, I have lost
 5 track of where you are at.
 6 A. This is 12 here, guys, this is 13.
 7 Q. That is why I wanted to use the -- --
 8 A. This is the second injection here. We are
 9 comfortable with that?
 10 Q. Yes.
 11 A. Third injection, that is a diagnostic of the
 12 right. Fourth injection. Fifth injection is the
 13 dilatation and/or stent. I don't know if he put
 14 a stent in here or not at this time.
 15 Q. And you see dilatation, but you don't see --
 16 okay, dilatation.
 17 A. Now, this is probably where he put the first
 18 stent in right here. That is the diagnostic,
 19 that is the fourth shot. Fifth shot is the
 20 initial angioplasty. Sixth shot shows the
 21 dissection.
 22 MR. MEADOWS: Be clear in terms
 23 of --
 24 A. Sixth shot shows the dissection in the proximal
 25 portion of the right coronary artery. The

Page 91

1 seventh shot appears to be placement of the first
 2 intracoronary stent in the right coronary artery
 3 in an effort to take care of the dissection
 4 involving the right coronary artery. The seventh
 5 shot is, again, of the right coronary artery.
 6 Q. I am sorry, the last one you just said was the
 7 seventh.
 8 MR. MEADOWS. Just for the record,
 9 I have totally lost track.
 10 Q. Gentlemen, I hate to do this, I know this machine
 11 is better, but why don't we do it through the
 12 film. If we want to expound, we can do it
 13 correspondingly on here.
 14 MR. MEADOWS: For the record, I
 15 totally lost track of the injections throughout
 16 the entire time we were looking through the cine.
 17 film.
 18 In fairness to the doctor, we literally got
 19 the cine. film five minutes before we started.
 20 (Thereupon, a discussion was had off the
 21 record.)
 22 A. This film is actually better than the cineangio-
 23 gram, it is more clear.
 24 Q. Here is your "play" and "pause." Just go ahead
 25 and narrate it quickly up to where we were

1 before, and I will just follow along.
 2 A. The first injection is the diagnostic.
 3 Q. That is at 15 seconds it began, approximately?
 4 A. Yes. Two injections of the left coronary artery
 5 are made.
 6 Q. Beginning at 21 seconds.
 7 A. Right coronary artery is then examined at 28
 8 seconds on two separate views up until 37
 9 seconds.
 10 Then an angioplasty is begun on the proximal
 11 right coronary artery at 38 seconds.
 12 Q. Okay, Doctor, I am going to interrupt and ask you
 13 at 38 seconds to back up just to before 36
 14 seconds and hit the pause, and I want you to
 15 define for me something that I see on here. Does
 16 it have single advance?
 17 A. No, it doesn't. That is the problem With this.
 18 It is not my VCR, unfortunately.
 19 Q. This is where we would need point of clarifica-
 20 tion. So if you want to -- 38:02, are you able
 21 to tell me what that is at the end of that
 22 catheter, that accumulation that I am looking at
 23 right there?
 24 A. No.
 25 Q. Could that be the beginnings of accumulation of

Page 93

1 contrast material?
 2 MR. MEADOWS: Objection.
 3 A. Contrast material where?
 4 Q. Right here.
 5 A. Well, there is contrast material there. There
 6 appears to be contrast material there.
 7 Q. I am sorry, could that be consistent With
 8 accumulation due to an aortic dissection?
 9 MR. MEADOWS: Objection.
 10 A. There is nothing there that suggests that is the
 11 case.
 12 Q. And before we get to each one, if you want, the
 13 best thing would probably be to hit pause and
 14 then say what frame. What shot we are looking
 15 at?
 16 A. This is at 42 seconds.
 17 Q. This would be -- I will go ahead and represent
 18 this -- the sixth shot. Go ahead.
 19 A. It appears to show a dissection involving the --
 20 sometimes it pauses and sometimes it doesn't. It
 21 is just not a good VCR.
 22 This appears to be at 44 seconds, the first
 23 stent deployment, which would be number seven.
 24 The next shot is at 47, and that would
 25 appear to be a diagnostic study that shows

Page 94

Page 96

1 persistent dissection involving the right
 2 coronary artery.
 3 MR. MEADOWS: You went back too
 4 far.
 5 THE WITNESS: You can't control
 6 this thing.
 7 Q. Does that look like a dissection to you?
 8 A. No. This is 50, which should be number eight.
 9 Q. I have number nine. What do you see in there?
 0 A. 50 is number nine. You missed it, I was right,
 1 it is number eight. Number eight is a diagnostic
 2 study. Go back to number eight. It is a
 3 diagnostic study of the right coronary artery.
 4 That is at 48.
 5 Now this is number nine, and that is another
 6 diagnostic study that shows persistent
 7 dissection.
 8 Number ten is this one at 54, which
 9 continues to show the dissection involves the
 0 right coronary artery. This is, then, the 10th
 1 injection.
 2 Q. That would be --
 3 A. The 11th injection, which continues to show the
 4 right coronary artery dissection. I missed one.
 5 Q. See if you can stop it at about 1:04.

Page 95

1 MR. MEADOWS: We are at 1:04:13.
 2 A. This appears to be an injection in the right
 3 coronary artery.
 4 Q. That is the first sign of accumulation of
 5 contrast material, correct?
 6 A. No. This is a diagnostic study of the right
 7 coronary artery which continues to show
 8 dissection involving the right coronary artery.
 9 There is nothing to suggest any abnormal aortic
 0 pathology at this point.
 1 Now, 1:08:25, this is the first time when
 2 you see contrast in the sinus that has not
 3 dissipated. This injection shows something
 4 abnormal within the sinus of Valsalva, the
 5 etiology of which you can't be certain.
 6 The next injection is at 1:13:16, which is
 7 an injection of the right coronary artery, in the
 8 sinus of Valsalva.
 9 Q. What does it show, a widening?
 0 A. It shows an abnormal collection of dye in the
 1 right coronary sinus of Valsalva.
 2 Q. Does the fact that it has widened since the
 3 previous shot mean it is in the intimal flap?
 4 A. No. All it means is that the area that you
 5 visualized before as abnormal is now being better

1 visualized. The size of it is irrelevant. You
 2 don't know whether it has changed in size. It
 3 certainly is better visualized here than before.
 4 Q. Is that accumulating between the layers of the
 5 aorta?
 6 A. You can't be certain. But that would be my
 7 concern, that this dye collection has developed
 8 between the adventitia of the aorta and the media
 9 of the aorta.
 0 Q. And if you were injecting your guiding catheter
 1 right there into that space that you just
 2 described, what would you expect?
 3 A. Well, nothing. The majority of the dye is going
 4 down the right coronary artery. As you say,
 5 there is no real excess pressure involved in the
 6 sinus injection itself or the right coronary
 7 injection. Most of the dye is running off to the
 8 right coronary artery.
 9 What you do see is an abnormal collection of
 10 dye that appears to be in the wall of the aorta
 11 itself.
 12 Q. What is your definition, or how would you define
 13 an intimal flap?
 14 A. An intimal flap is any laceration or disruption
 15 of the intima itself that allows for separation

Page 97

1 of the wall, the intima perhaps from the media or
 2 the intima and the media from the adventitia.
 3 Q. More likely than not, is that what we have here
 4 at 1:15:22?
 5 A. What it appears to be is you have here a
 6 collection of dye that is accumulating in the
 7 wall of the aorta. Exactly where the wall is,
 8 you don't know, but you know that it is
 9 accumulating in the wall of the aorta rather than
 10 intraluminally.
 11 Q. So, more likely than not, that is consistent with
 12 an intimal flap?
 13 A. Correct.
 14 Q. All right. Please proceed.
 15 A. The next injection is at 1:17:23.
 16 Q. Go ahead and tell me what you see.
 17 A. That shows an aortic dissection with aortic
 18 insufficiency and involvement of the tubular
 19 portion of the ascending aorta.
 20 Q. And that is what I wanted to ask you what is that
 21 circular appearing substance that we see there?
 22 A. This right here? That is the sinus of Valsalva.
 23 This is the true lumen here, and this is the
 24 false lumen. And you will see it extends up
 25 through the tubular portion of the ascending

Page 98

Page 100

1 aorta. And you will also see evidence of aortic
 2 insufficiency, the degree of which you aren't
 3 able to ascertain at this time.
 4 Q. Would this be the circumferential 90 to 280
 5 degrees you were talking about?
 6 A. There is no way to know. You can't tell from a
 7 two-dimensional picture what the three-
 8 dimensional involvement is.
 9 Q. Could this be consistent with the contrast
 10 material admixed with the blood filling space of
 11 an intimal flap, and that is the marking that we
 12 see?
 13 MR. MEADOWS: Objection.
 14 A. What you are seeing here is the sinus of Valsalva
 15 being filled with contrast, and a dissection
 16 within the sinus of Valsalva extending into the
 17 tubular portion of the ascending aorta.
 18 Q. Can you see whether it is extending into the
 19 valve at this point?
 20 A. Yes, there is evidence of valvar insufficiency.
 21 Q. Do you see evidence of dissection into the
 22 valve? I mean, do you see visual evidence,
 23 objective evidence?
 24 A. The dissection doesn't extend into the valve.
 25 What it does is remove the supportive structure

1 the wall, within the true lumen of the aorta and
 2 this is the false lumen of the aorta.
 3 Q. The contrast material in this view only appears
 4 to proceed up about one-third to, say, 50 percent
 5 of the height of the aorta?
 6 A. That is because the overwhelming percentage of
 7 the contrast material still is within the true
 8 lumen of the aorta. If the catheter were placed
 9 in the false lumen and then injected, you would
 10 visualize the entire false lumen.
 11 Q. And what was it you wanted to bring to the
 12 attention of Bill in the cine. films?
 13 MR. MEADOWS: Objection. It
 14 assumes that he did.
 15 A. There was nothing else on the cine. films that we
 16 saw that was different than this. In fact, we
 17 were concerned that the character of the cine.
 18 films wasn't going to be as good as this, and,
 19 indeed, that is the case.
 20 MR. LOUCAS: Did I forget to ask
 21 him anything?
 22 MR. MEADOWS: I can't tell you.
 23 MR. LOUCAS: Off the record.
 24 (Thereupon, a discussion was had off the
 25 record.)

Page 99

Page 101

1 of the valve, which is that in the sinus of
 2 Valsalva, that results in the leakage in the
 3 valve.
 4 Q. The dissection described in the operative
 5 findings did involve the valve, correct?
 6 A. No, it did not, it involved the supportive
 7 structure of the valves. The valves themselves
 8 don't get dissected because they are fibrous, the
 9 supportive structure of the valves does.
 10 The next injection is at 1:22:13, and it
 11 appears to be a sinus injection that confirms the
 12 dissection. And you can see the false lumen here
 13 extending upwards, and this is the true lumen
 14 here.
 15 The next injection is at 1:28:01, and that
 16 is an aortogram that delineates the full extent
 17 of the dissection and the degree of aortic
 18 insufficiency.
 19 Q. But you cannot see the extent of dissection up
 20 the aorta?
 21 A. Yes, you can.
 22 Q. Can you show me?
 23 A. Yes. This is the true human here, and the false
 24 human is out here. This is the aorta as it comes
 25 up here. This is where the catheter is within

1 MR. LOUCAS: I don't have any more
 2 questions.
 3 - - -
 4 (DEPOSITION CONCLUDED.)
 5
 6
 7

 MARK JUDSON BOTHAM, M.D.

- - -

CERTIFICATE

State of Ohio,)
) s s
County of Cuyahoga.)

I, Diane M. Stevenson, a Registered Professional Reporter and Notary Public in and for the ~~State~~ of ~~Ohio~~, duly commissioned and qualified, do hereby certify that the within-wed witness, MARK JUDSON BOTHAM, M.D., was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotypy in the presence of said witness, afterwards transcribed by means of computer-aided transcription, and that the foregoing is a true and correct transcript of the testimony as given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee or attorney of any party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this _____ day of _____ 1998.

~~Diane M. Stevenson, RMR~~
Notary Public in and for
The State of ~~Ohio~~.

My Commission expires October 31, 2000.