

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

JANET L. PORACH,)
ADMINISTRATRIX, etc.,)

Plaintiff,)

- vs -)

LORENZO S. LALLI, M.D.,)

Defendant.)

JUDGE CALABRESE
CASE NO. 316045

- - - -

Deposition of ROBERT E. BOTTI, SR., M.D.,
taken as if upon cross-examination before Susan
M. Cebron, a Registered Professional Reporter
and Notary Public within and for the State of
Ohio, at the offices of Robert E. Botti, Sr.,
M.D., 24701 North Lakeland Boulevard, Euclid,
Ohio, at 10:30 a.m. on Thursday, September 18,
1997, pursuant to notice and/or stipulations of
counsel, on behalf of the Defendant in this
cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

1 APPEARANCES:

2 Howard D. Mishkind, Esq.
3 Becker & Mishkind
4 Suite 660 Skylight Office Tower
5 1660 West 2nd Street
6 Cleveland, Ohio 44113
7 (216) 241-2600,

8 On behalf of the Plaintiff;

9 Ronald A. Rispo, Esq.
10 Weston, Hurd, Fallon, Paisley & Howley
11 2500 Terminal Tower
12 Cleveland, Ohio 44113
13 (216) 241-6602,

14 On behalf of the Defendant.

1 ROBERT E. BOTTI, SR., M.D., of lawful
2 age, called by the Defendant for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF ROBERT E. BOTTI, SR., M.D.
8 BY MR. RISPO:

9 Q. Doctor, my name is Ron Rispo. I represent Dr.
10 Lorenzo Lalli in this matter. I am here to ask
11 you a number of questions. If at any point
12 along the way the question is not clear, we
13 would all prefer that you stop and have me
14 clarify it or repeat it, is that clear?

15 A. That's clear.

16 Q. Okay. Doctor, I will skip the usual as far as
17 credentials are concerned. We all know that you
18 are board certified, is that right, in
19 cardiology?

20 A. No, I am not board certified. I am board
21 certified in internal medicine and I am board
22 eligible in cardiology.

23 Q. I do want one preliminary, however, to be taken
24 care of so there is no surprises later on. What
25 is your standard hourly rate for deposition?

1 A. \$200.00 an hour.

2 Q. And is it different for trial purposes?

3 A. No.

4 Q. Okay.

5 MR. MISHKIND: Off the record.

6 - - - -

7 (Thereupon, a discussion was had off
8 the record.)

9 - - - -

10 Q. Moving on, doctor, you have been requested to
11 consult in this matter on behalf of the Porach
12 family. You have consulted on many other cases
13 from time to time, haven't you?

14 A. Yes.

15 Q. How often or how frequently do you consult on
16 matters that go to court?

17 A. Well, I think infrequently because most of them
18 are probably settled before they get to court.

19 Q. Backing up then, how often do you consult on
20 matters that involve claims of medical
21 malpractice?

22 A. Oh, I would say probably about six a year,
23 something like that.

24 Q. Okay. And do you testify exclusively on one
25 side or the other?

1 A. No, I do not.

2 Q. About 50/50?

3 A. No. It would be predominantly for the defense.
4 Probably 80/20, I would say.

5 Q. Have you personally authored any publications
6 that deal with the topics of diagnosis of
7 myocardial infarction?

8 A. I think the answer is yes. It depends on how
9 you want to interpret some of the studies that
10 were done. We did some studies on old people
11 with myocardial infarction, and we did some
12 studies on certain electrocardiographic
13 abnormalities and myocardial infarctions, but I
14 have not looked at these things recently to see
15 if it is perfectly germane.

16 Can I get a copy of my CV?

17 MR. MISHKIND: Do you want his
18 CV?

19 MR. RISPO: I would.

20 Q. While we are waiting for that, can I ask you
21 what articles -- I'm sorry -- what references
22 you would consider authoritative on the subject
23 of this case that you reviewed for the Porach
24 family?

25 A. I didn't review any articles for this case.

1 Q. If you were to consult any references, I know
2 you have the body of medical information
3 committed to memory, but if you were to refer to
4 authoritative sources on the diagnosis of
5 myocardial infarction, signs and symptoms, what
6 sources would you refer to?

7 MR. MISHKIND: Objection.

8 A. Can I answer?

9 MR. MISHKIND: Yes. My objection
10 is only for the record based upon not only
11 the form of the question, but --

12 A. I think the number one textbook is Braunwald's
13 Textbook of Cardiology.

14 I have the CV now. Can I just look it over
15 for a second.

16 Q. Take your time.

17 A. There is an article on acute myocardial
18 infarction, which is not germane to this case.
19 It has to do with certain electrographic
20 criteria, which this patient didn't have. So it
21 is not germane to this case.

22 Q. It sounds to me that it might be interesting and
23 something I might like to read.

24 A. The title is Significance of Isolated Left
25 Anterior Hemiblock and Left Axis Deviation

1 During Acute Myocardial Infarction. It's Number
2 25 in the list of publications.

3 Q. Okay.

4 A. And then I have one on Acute Myocardial
5 Infarction in the Elderly, which, again, is
6 reference Number 30, but that is not germane to
7 this case

8 And I think those are the only two that are
9 really even remotely related to this.

10 Q. Okay. Perhaps we could make copies of this
11 later.

12 A. Fine.

13 Q. Getting back to references then, you said
14 Braunwald's?

15 A. B-r-a-u-n-w-a-l-d. It's called Heart Disease, A
16 Textbook of Cardiovascular Medicine. The Fifth
17 Edition is the most current one.

18 Q. Any others that you would routinely refer to?

19 A. Well, there's a volume that comes along with it,
20 it's called Cardiovascular Therapeutics, A
21 Companion to Braunwald's Heart Disease, and the
22 editor of that is Smith.

23 Q. Do you have occasion from time to time to refer
24 to Robbins Text Pathologic Basis of Disease?

25 A. No.

1 Q. Or --

2 MR. MISHKIND: Give me the name of
3 that, Ron, please, Pathologic Basis of --

4 MR. RISPO: Of Disease.

5 Q. Or Coronary Care edited by Dr. Gary Francis?

6 A. No.

7 Q. Or Silent Myocardial Ischemia and Infarction by
8 Peter Kohn?

9 A. I am familiar with his work and I read his
10 articles.

11 Q. Or Clinical Cardiology by Lang?

12 A. No.

13 Q. Or Myocardial Infarction by Arie Goldberg?

14 A. I am familiar with that book, but I have not
15 referred to it at all. I don't refer to it.

16 Q. Would you consider it authoritative?

17 MR. MISHKIND: Objection.

18 A. I have no opinion on that.

19 Q. Have you received copies of the office records
20 of Dr. Lalli in connection with this case?

21 A. Yes.

22 Q. Have you had occasion to review the EKG's in
23 that set of records?

24 A. Yes.

25 Q. Do you have two pages of tracings?

1 A. Yes, I have two tracings. One is complete and
2 the other is incomplete.

3 Q. Okay. Let's get that straightened out right
4 away because I noticed that myself.

5 MR. RISPO: Off the record.

6 - - - -

7 (Thereupon, a discussion was had off
8 the record.)

9 - - - -

10 (Thereupon, Defendant's Exhibit 1,
11 Botti, two-sided document entitled Bipolar Limb
12 Leads was marked for purposes of
13 identification.)

14
15 (Thereupon, Defendant's Exhibit 2,
16 Botti one-page document containing
17 echocardiogram strips, was marked for purposes
18 of identification.)

19 - - - -

20 MR. MISHKIND: Let me just
21 indicate on the record that before any
22 questioning is asked of the doctor, which I
23 certainly have no problem with the
24 questions being asked, but Exhibit 1 that
25 Mr. Rispo just had marked is a two-sided

1 page of EKG strips.

2 This two-sided page, this is the
3 first time that I have seen it, and
4 notwithstanding having received the office
5 records from Dr. Lalli, and I think the
6 production of documents from you
7 previously, I only had the one side, which
8 has the one side of them, and I am not sure
9 whether that is terribly relevant to the
10 issues in the case, but I just want the
11 record to reflect that.

12 MR. RISPO: The side that you have
13 is the opposite of the side that I have.
14 Only after I discovered the problem that I
15 found these were available and I apologize
16 to both of you for that inadvertence, but
17 it was a clerical mistake on our part.

18 Q. Doctor, you have had a chance to review both
19 Exhibits 1 and 2 now?

20 A. Correct.

21 Q. If you require any further time to review those,
22 feel free to do so. I suspect that you won't
23 though, however, and we will proceed.

24 Let me also ask if you have had the
25 opportunity to review the transcripts of the

1 depositions of Mrs. Porach and her daughter,
2 daughters?

3 A. Mrs. Porach is Janet?

4 Q. Janet, correct, and her daughter would be Jaclyn
5 DeWitt.

6 A. The answer is yes.

7 Q. Okay. Good. Based upon your knowledge of the
8 file, doctor, what is your understanding and
9 recollection of the symptoms as reported of the
10 patient John Porach in the morning prior to his
11 death?

12 A. I would have to review the --

13 Q. I won't ask you to do that. I thought maybe you
14 might have it noted.

15 A. If I remember -- well, I better review it
16 because he had a lot of symptoms.

17 In general, he had generalized aches and
18 pains throughout his body, including his chest.
19 He was feeling poorly. I believe he had
20 diarrhea that morning. I can't remember, he may
21 or may not have had shortness of breath on that
22 morning. I really can't remember that.

23 I don't know where that is all documented
24 by way of -- those are the symptoms I remember,
25 although I'm not sure that that's all inclusive.

1 MR. MISHKIND: Doctor, if you want
2 to look at Page 22 of Mrs. Porach's depo, I
3 don't know whether you want --

4 A. Okay. According to Mrs. Porach's deposition, he
5 was in a cold sweat and he was short of breath,
6 he felt like he couldn't breathe. His stomach
7 was upset. That's all I see here.

8 Q. Okay. Is that the information that you had and
9 upon which you based your opinion as stated in
10 your report of June 2nd?

11 MR. MISHKIND: Well, let me
12 object. You asked him about the daughter's
13 deposition and the wife's. He has only
14 referred to the wife's deposition. He has
15 not referred -- in response to your
16 question he did not refer to the daughter's
17 testimony at this point, and he had the
18 daughter's deposition. So I don't want the
19 record to be misconstrued.

20 A. The answer to your question is no, though,
21 because I had a number of depositions and I had
22 the visits in the office, Dr. Lalli's office, I
23 had the emergency room records, and I had a
24 statement of a life insurance statement by the
25 physician.

1 So my opinion was based upon all of those
2 records.

3 Q. The totality?

4 A. Correct.

5 Q. But what I am asking for in particular is the
6 complaints of symptoms and signs, and as to that
7 I believe the primary source of that information
8 is in the transcripts that I referred to
9 earlier, Janet Porach and her stepdaughter,
10 Jaclyn DeWitt. If I am not correct in that I --

11 A. Well, also in the deposition that the
12 receptionist gave.

13 Q. Of course.

14 A. She talked about a number of symptoms in there,
15 too. That's Janice Schoch, is that correct?

16 Q. Yes. And you did have that available to you?

17 A. And I had that available, too, and I think in
18 there she talked about what she thought were a
19 lot of the symptoms that he called in about.

20 MR. MISHKIND: Page 9, doctor.

21 A. Well, Page 8, for example, has aching in the
22 chest and shoulders, okay? And then on Page 9
23 he had aching all over, including his chest. So
24 that's based upon the totality of those records
25 as far as the symptoms go, okay?

1 Q. Okay. Let's take it in chronological
2 step-by-step analysis then, if we might.

3 Janet would be the first witness, if I'm
4 not mistaken, who would have knowledge and
5 provide us with the signs and symptoms in the
6 morning prior to her departure for work on the
7 morning of October 14th, and I would ask you
8 based upon her report whether you believe -- if
9 you believe that John Porach had a myocardial
10 infarction in the morning?

11 A. Now, I don't quite understand your question.
12 What do you want me to assume?

13 Q. Okay. Let me maybe put a little more framework
14 on this.

15 MR. MISHKIND: You need to be more
16 helpful with your question.

17 Q. As I understand the testimony, the first report
18 of symptoms came somewhere around 5:00 in the
19 morning?

20 A. Correct.

21 Q. And the first witness to those was Jan, Janet
22 Porach, and her knowledge was limited to the
23 period between 5:00 in the morning and 7:00 or
24 7:30 when she left for work, and so if I may
25 segment for the purpose of our question and ask

1 you based upon the symptoms that she reported
2 then, which I think you recorded earlier as
3 diarrhea, tingling in the arms and legs, feeling
4 poorly, aches and pains throughout his body, if
5 that's a correct summary --

6 A. Cold sweat and couldn't breathe, also.

7 Q. Okay. Assuming those were the symptoms as
8 reported by Janet, then during that period
9 between 5:00 a.m. and 7:30 do you have an
10 opinion whether John Porach had an MI that
11 morning?

12 A. Just based upon those symptoms, not subsequently
13 what happened?

14 Q. Yes.

15 A. The opinion is I couldn't tell.

16 Q. If you had to base an opinion based on those
17 symptoms, what would be within your
18 differential?

19 A. Acute myocardial infarction or heart attack,
20 pneumonia, with this viral symptomatology, it
21 could be viral infection, pulmonary embolism,
22 that's the main ones I could think of.

23 Q. Okay. When you say viral infection, would that
24 include the flu?

25 A. Yes, but it would not include the flu alone

1 because you would have to assume that he had
2 pneumonia as part of the flu like syndrome,
3 because the business of being short of breath is
4 not typical of flu symptoms unless there is
5 pneumonia or something to cause shortness of
6 breath, okay?

7 Q. Okay. Fine. But MI would be among those
8 differentials?

9 A. Correct.

10 Q. And of the symptoms that would lead you to a
11 differential of MI, which of them would be
12 consistent with an MI?

13 A. All of them.

14 Q Moving forward then to the next point in time
15 when we have evidence, I believe there was a
16 phone conversation reported in the deposition of
17 Jan Schoch between John Porach and Jan Schoch,
18 and at that point he provided another
19 description of his symptoms. Can you recall
20 what those symptoms were at that time?

21 A Aching in the chest and shoulders.

22 MR. MISHKIND: And, again, this is
23 based upon Jan Schoch's testimony.

24 MR. RISPO: I believe she's the
25 only one that has testimony at that time.

1 MR. MISHKIND: Right. Or the only
2 one that has testimony or heard statements
3 made by him at that time, which is 9:30 to
4 10:30 in the morning. You're right.

5 A. Aching in the chest and shoulders and, actually,
6 aching all over.

7 Q. And I believe that she asked him if he had chest
8 pain as such and he said no?

9 A. I didn't understand that. Excuse me. I don't
10 understand that question. Aching in the chest
11 is chest pain. He said he had aching in the
12 chest. That's chest pain.

13 MR. MISHKIND: I think what he is
14 asking is that what Jan said he told her in
15 her testimony, if he said he had chest
16 pain.

17 A. She asked him if he had pain in his chest and he
18 said no.

19 Q. But he did say aching in the chest?

20 A. Which is the chest pain.

21 Q. Well, that's the differential that Mr. Porach
22 made, he distinguished between aching and chest
23 pain?

24 A. He is a layperson, that's a very --

25 Q. I understand.

1 A. Well, I think that she differentiated between
2 the aching and the chest pain because she was
3 the one that asked him whether he had chest
4 pain. He didn't volunteer he had chest pain.
5 She specifically asked him. He volunteered that
6 he had aching in the chest and shoulders.

7 Q. I am not arguing. I am just trying to get
8 established what evidence we have on the
9 subject.

10 A. Okay.

11 Q. Let me ask a similar question then on the basis
12 of those symptoms plus the prior information,
13 which we have already established through Jan
14 Porach, do you have an opinion as to whether Mr.
15 Porach was suffering from an acute MI at that
16 time, at 9:30 in the morning?

17 A. I still can't be absolutely sure, but my
18 emphasis would be more towards a myocardial
19 infarction among those differential diagnoses.

20 Q. Okay. Would you still have the other
21 differential diagnoses in the picture or would
22 you eliminate any?

23 A. They would still be in the picture. Now, that's
24 based upon what is written down here. That is
25 not based upon as if I were going to talk to him

1 and elicit more of a history.

2 Q. I understand. We are strained or restrained by
3 what information we have.

4 A. Okay. Fine.

5 Q. Then as I recall there was little in the way of
6 any clear report until around 3:15 in the
7 afternoon, at which time the stepdaughter,
8 Jaclyn DeWitt, provided testimony as well as Jan
9 Schoch, as to her father's symptoms. Do you
10 have a recollection of what that testimony was
11 and those symptoms were?

12 A. Well, I will refer to the deposition, rather
13 than to try to just remember.

14 MR. MISHKIND: Are you talking
15 about Jackie DeWitt now?

16 A. Yes. It says Jaclyn Porach, but that's an
17 error, right?

18 MR. MISHKIND: Right.

19 A. That's who we are talking about.

20 MR. MISHKIND: Doctor, just from a
21 quick reference, look to Page 10, Jaclyn
22 DeWitt.

23 A. Yes. He has had chest pains and he couldn't
24 move his arms and he had trouble breathing.

25 Q. I will ask you the same questions at this

1 point. Do you have an opinion as to whether he
2 was suffering from an MI, acute MI at that time?

3 A. I still can't be absolutely sure, but I would
4 even think more of it at that time.

5 Q. Would you still have the other differential
6 diagnoses surviving then?

7 A. Yes.

8 Q. But then we have, I think the next solid piece
9 of evidence is the EKG studies that we have
10 marked as Exhibits 1 and 2. Assuming those EKG
11 studies were performed at 17:39, although the
12 strip says 16:39, I think the difference had to
13 do with daylight saving time or something --

14 A. You're referring to Defendant's Exhibit 2, you
15 are correct. I have two EKG here?

16 Q. Right. And the one that I am referring to, the
17 only one recorded as to time is 17:39, is that
18 right?

19 A. 16:39.

20 MR. MISHKIND: Off the record.

21 - - - -

22 (Thereupon, a discussion was had off
23 the record.)

24 - - - -

25 Q. Based upon that EKG strip, doctor, or the two of

1 them taken together, assuming they were both
2 recorded at the same time and of the same
3 patient, do you have an opinion as to whether
4 the patient, John Porach, was suffering from an
5 acute MI at the time of those strips?

6 A. Yes.

7 MR. MISHKIND: He has answered the
8 question yes. I wanted to know whether he
9 was to take into account the history that
10 preceded it or --

11 Q. Let's take them in steps.

12 A. Okay.

13 Q. Can you tell, do you have an opinion based on
14 the EKG strips alone whether he was suffering
15 from an acute MI?

16 A. Yes, I have an opinion.

17 Q. What is your opinion?

18 A. My opinion is that the electrocardiogram is
19 consistent with an anterioseptal infarct, age
20 undetermined, but consistent with an acute
21 myocardial infarction.

22 Q. Well, maybe we can breakdown a little further,
23 what is your -- what do you mean when you refer
24 to an acute versus a remote?

25 A. Acute refers to the fact that there would be ST

1 segment elevations, which we term injury
2 current, and these are present on this
3 electrocardiogram.

4 Q. Can you identify which leads or slides indicated
5 an elevated ST segment?

6 A. V-2, V-3, V-4 definitely.

7 Q. May I come around and read over with you?

8 A. Sure. Do you want me to demonstrate it for
9 you?

10 Q. If you would be kind enough, because I am still
11 learning on the subject.

12 MR. MISHKIND: You are too
13 modest.

14 A. You have to take what the baseline is. The
15 baseline is between the end of the T wave and
16 the beginning of the P wave and T wave. So
17 there is the baseline. The PR interval is
18 between the Q wave and the QRS and you can see
19 that it is on the same level as the baseline.

20 This is the ST segment. You can see by the
21 card that I have here that that is not on the
22 baseline. It is elevated. It is higher than
23 the baseline.

24 So, therefore, that is ST elevation.

25 That's in V-2.

- 1 Q. V-2, the same on V-3?
- 2 A. V-3 is the same and V-4 is the same.
- 3 Q. Okay. Assuming --
- 4 A. But, let me also point out to you that on
- 5 Exhibit 1, if you look at the
- 6 electrocardiograms, that those ST elevations are
- 7 not present.
- 8 So on the old electrocardiogram done in 19,
- 9 whenever, 1990 I think this is, isn't it?
- 10 Q. I don't know, frankly, when that prior one was.
- 11 A. The other electrocardiogram previous --
- 12 MR. MISHKIND: It has a date of,
- 13 it looks like June 25, 1990.
- 14 A. That's right. The other electrocardiogram does
- 15 not have that.
- 16 Q. That's the baseline EKG?
- 17 A. That's the only other -- I don't know if that is
- 18 the baseline. That's the only electrocardiogram
- 19 I have to compare. But the ST elevations were
- 20 not present on that electrocardiogram.
- 21 Q. That means that the MI occurred sometime between
- 22 January of 1990 and October 14th of 1994?
- 23 A. That's correct.
- 24 Q. You did say that the age would be classified and
- 25 indeterminate?

1 A. Yes, because --

2 Q. Go ahead.

3 A. I was going to ask you to explain what you mean
4 by that.

5 Well, because sometimes when you have an
6 acute anterioseptal infarct and the patient has
7 recovered from the acute anterioseptal infarct,
8 you can be left with an electrocardiogram that
9 looks like Exhibit 2.

10 Q. So then the Exhibit 2 EKG is consistent with a
11 remote EKG -- I'm sorry -- a remote acute MI --
12 remote MI?

13 A. Well, it's just what I said. The interpretation
14 is that this is an anterioseptal infarct, age
15 undetermined, which means that it could be
16 acute, it could be subacute or it could be old.

17 Q. It could be old or remote?

18 A. Correct.

19 Q. And if it is indeterminate, are you saying it
20 could be anytime between January -- June 20th --

21 MR. MISHKIND: June 25, 1990.

22 Q. -- June 25th of 1990 and October 14th of 1994?

23 A. Correct.

24 Q. I think you also said that it's consistent with
25 an acute MI?

1 A. Correct.

2 Q. Is it diagnostic of an acute MI?

3 A. No.

4 Q. And it's not diagnostic because why?

5 A. Because of the reason I just gave you. It's
6 consistent if you have a patient who has an
7 anterioseptal infarct and who recovers, you can
8 have an EKG that looks like this, okay?

9 Q. If you had an acute MI in progress, would you
10 expect to find a higher elevation of the ST
11 segment?

12 A. It's common, but this is still consistent with
13 it. It depends upon how long the infarct has
14 been there. Sometimes these things change very
15 rapidly.

16 MR. RISPO: I am going to have
17 marked here as an exhibit for purposes of
18 our discussion Exhibit 3.

19 - - - -

20 (Thereupon, Defendant's Exhibit 3,
21 Botti, document entitled Evolutionary Changes
22 Following Blood Flow Obstruction, was marked for
23 purposes of identification.)

24

25 And ask you to take a moment to review that

1 chart showing evolutionary changes following
2 blood flow obstructions.

3 MR. MISHKIND: Can you for the
4 record indicate what the source of that
5 is? You were afraid I was going to ask you
6 that.

7 MR. RISPO: Off the record.

8 - - - -

9 (Thereupon, a discussion was had off
10 the record.)

11 - - - -

12 Q. The exhibit before you shows progression from
13 baseline to hours following blood flow
14 obstruction to hours to days and so on and days
15 to weeks and weeks to months.

16 If we were looking at an acute MI in
17 progress, would we not see something closer to
18 the image under letter B?

19 A. Not necessarily. Unfortunately this is an
20 idealized scheme that doesn't hold up in
21 clinical medicine.

22 Q. Well, when you say not necessarily, I guess my
23 question should be more refined. Is what you
24 see in letter B more typical or more common than
25 not?

1 A. No. I would think it would be more diagnostic.
2 In other words, if you had something that looked
3 like that, then you would say the
4 electrocardiogram shows an acute infarct and you
5 can be sure of that. But, unfortunately, life
6 is not as simple as this diagram.

7 Q. Okay. In your experience, you see a lot of
8 cardiology patients, don't you?

9 A. Correct.

10 Q. In your experience, what percentage of cases
11 present in the form as you would describe in
12 letter B?

13 A. I really can't answer that. I can't give you a
14 percentage.

15 Q. Would it be fair to say that more often than not
16 an EKG will be closer to the images in letter B
17 than it would be in our Exhibit 2?

18 A. What do you mean by more often than not?

19 Q. Well, more than half of the patients you see in
20 acute MI having EKG strips done within hours of
21 the acute MI, would they not have --

22 A. In other words, 50 percent, 51 percent you are
23 talking about?

24 Q. Yes.

25 A. I think the answer would be yes.

1 Q. And would it be closer to 75 percent, actually?

2 A. I can't answer that. ■ don't know the answer to
3 that question.

4 Q. How many patients do you have in your practice
5 on average come in with an MI?

6 A. ■ can't even answer that. I have been in
7 practice, you know, for 40 years now. So I have
8 seen a lot of MI's, but --

9 Q. Broadening the question not restricted to people
10 coming into your office, but those that you have
11 seen, let's say, in an emergency room or at any
12 facility.

13 A. Okay. You can also add onto the fact that when
14 I was chief of cardiology at University
15 Hospitals we used to have conferences all the
16 time. We would read all the EKG's there and we
17 would read EKG's now where we are.

18 So we see an awful lot of patients,
19 actually EKG's sometimes without the patients
20 who have myocardial infarcts.

21 Q. Well, broadening the question to encompass all
22 of your readings of EKG's, patients in acute MI,
23 would you say it would be fair to say that 75
24 percent or more of them would have a picture
25 more closely approximating the image at Section

1 B of Exhibit 3?

2 A. No.

3 Q. So it would be somewhere between 51 and 75
4 percent?

5 A. I don't want to be pinned down because I have
6 never looked at the percentage wise, but there
7 are infarcts and there are infarcts. Some
8 people have what we call minor infarcts, what we
9 call nontransmural infarcts, and almost none of
10 them, in fact, none of them have ST elevations
11 like in B.

12 Q. Okay.

13 A. So that if you look at the number of
14 nontransmural infarcts, you probably are lucky
15 if you have 50 percent of the patients who have
16 what looks like B, if you are just using the
17 term infarction.

18 Q. Okay. Fair enough. Then let me ask the inverse
19 question. Based upon your experience in all
20 cases, whether in or outside the hospital, in
21 your office or otherwise, what percentage of
22 your patients would have an acute MI as opposed
23 to indeterminate, as you have diagnosed or
24 determined otherwise, and still have EKG
25 tracings such as you have seen and described in

1 Exhibit 2?

2 A. I can't give you a precise percentage, but this
3 is not uncommon. The EKG's that look like
4 Defendant's Exhibit 2 is not uncommon to have in
5 a patient who presents with an acute myocardial
6 infarction.

7 Q. Let's take it the next step. Taking in toto
8 account the EKG and the physical symptoms that
9 we have reviewed from morning to afternoon --

10 A. Right.

11 MR. MISHKIND: From all sources?

12 Q. From all sources and all data that you have
13 available to you with one exception, and that is
14 the pathology studies by Dr. Hoffman?

15 A. Without any blood studies, also.

16 Q. And without blood studies, but we don't have
17 those in any event, do we?

18 A. No.

19 Q. But leaving out for the moment Dr. Hoffman's
20 study, but based upon the symptoms, all of them
21 during the day, and the EKG studies, when, if at
22 all, in your opinion, did Mr. Porach commence
23 having his MI?

24 A. With the initial onset of his symptoms.

25 Q. And that would be at 5:00 in the morning?

1 A. Correct.

2 Q. Based again on all the information available to
3 you, you're aware, I assume, that Mrs. Porach
4 has testified that her husband reported an
5 easing of the symptoms, that he was feeling
6 better before she left for work?

7 A. Correct.

8 Q. What, in your opinion, was the cause of his or
9 the reason why he experienced an improvement in
10 his symptoms?

11 A. I don't know.

12 Q. Would it be a spontaneous clearing or resolution
13 of the thrombus?

14 A. I don't think so.

15 Q. Can you have an easing of symptoms which would
16 come about because of a spontaneous clearing of
17 the thrombus?

18 A. Yes.

19 Q. So that his symptoms are consistent with
20 spontaneous clearing of the thrombus?

21 A. I don't think so.

22 Q. Why not?

23 A. Because if you had spontaneous clearing of the
24 thrombus I would expect the symptoms to not get
25 better, but to disappear entirely, and as far as

1 I can tell from looking at the records, his
2 symptoms never disappeared entirely.

3 Q. So your view of it is that they improved
4 somewhat, but don't disappear and, therefore,
5 what was happening in the meantime?

6 A. I don't know the answer to that question. I
7 don't think we have the answer to that question.

8 Q. Would you call this case, assuming that your
9 opinion is correct, that he had the MI in the
10 morning at 5:00 a.m. --

11 A. Correct, I think that's when the thrombus formed
12 and obstructed the vessel, about 5:00 in the
13 morning.

14 Q. Would you call this an acute MI?

15 A. Yes.

16 Q. Is it your opinion that the -- well, let me ask
17 it differently.

18 We know that after the EKG studies were
19 taken the patient went to the washroom and
20 collapsed in the doctor's office, you're aware
21 of that, aren't you?

22 A. Yes.

23 Q. Okay. Taking that additional factor into
24 account, do you have an opinion as to whether he
25 had a secondary MI?

1 A. Yes, I have an opinion.

2 Q. What is that opinion?

3 A. The opinion is most likely he did not, but I can
4 not be sure that he did not. It is, one of the
5 complications in acute myocardial infarction is
6 ventricular fibrillation without another MI.

7 On the other hand, he could have had
8 another MI and had ventricular fibrillation. So
9 I cannot say for sure whether he had another one
10 or not, but it is not necessary to invoke that
11 to explain his cardiac arrest.

12 Q. Now, let's take into account the pathology
13 studies by Dr. Hoffman.

14 A. Yes.

15 Q. I believe Dr. Hoffman gave an opinion to the
16 effect that, in his view, the MI occurred within
17 a few hours before death.

18 MR. MISHKIND: His words were just
19 hours, I think.

20 MR. RISPO: Well, that's the
21 second page.

22 Q. On the first page he refers to the lesion could
23 not have been more than a few hours old, and I
24 assume he is referring too old from death,
25 although he could have meant old from the time

1 when he examined it.

2 MR. MISHKIND: Obviously I can't
3 -- I am not going to respond because I
4 don't know what Dr. Hoffman says.

5 MR. RISPO: Whatever the record
6 shows, that's what he said.

7 Q. You have seen that report, have you, doctor?

8 A. Yes.

9 Q. Taking that into account, do you have an opinion
10 as to when the MI occurred?

11 A. Yes.

12 Q. What is your opinion?

13 A. The same, that it occurred at the onset of the
14 symptoms.

15 Q. At 5:00 in the morning?

16 A. Correct.

17 Q. Taking this study from Dr. Hoffman into account,
18 is it equally as likely that he suffered a
19 second MI in the period of time after the EKG
20 study that was done at 5:30?

21 A. No.

22 Q. And why do you say that?

23 A. Because he only had, he had complete obstruction
24 of the left anterior descending, he had the
25 infarct and distribution in the left anterior

1 descending, and he had no infarct or anything to
2 suggest infarction in any other part of the
3 heart.

4 So, therefore, on the basis of the autopsy,
5 it is most consistent with a single myocardial
6 infarction.

7 Q. If it is a single myocardial infarction, then do
8 you disagree with Dr. Hoffman's conclusion that
9 the lesion could not be more than a few hours
10 old?

11 A. Well, I don't know what he means by a few. I
12 agree, my definition of a few in this case would
13 be six to 12 hours, something like that, and I
14 think that fits exactly with what went on
15 clinically. I think the autopsy is consistent
16 with my analysis of the case and when the
17 infarction occurred.

18 The patient complained at about 3:15 or 3:30 of
19 a change in his symptoms, greater severity. He
20 now complained, according to his stepdaughter,
21 of shortness of breath, he couldn't move his
22 arms, and chest pain.

23 If he had an increasing severity at 3:30,
24 is it not more likely that he had another MI at
25 3:30 in the afternoon?

1 A. No.

2 Q. How would you explain those symptoms as reported
3 at 3:30 in the afternoon?

4 A. I can't -- well, I can explain them on the fact
5 that as a result of the heart attack that the
6 heart.muscle is not working properly, that the
7 pressure within the left ventricle, the
8 end-diastolic pressure was rising, that he was
9 developing early manifestations of heart
10 failure, which could cause him to have shortness
11 of breath, and the fact that he would have
12 changes in the pressure within the heart would
13 change the amount of oxygen going to the heart
14 muscle and could result in more pain on that
15 basis.

16 I am giving you a physiological
17 explanation. I really can't say for sure
18 because we don't have those measurements, but
19 this is what is known to happen in the progress
20 of a patient with acute myocardial infarct.

21 From just an objective point of view it is
22 known that a patient's pain can fluctuate and
23 they can get these symptoms and you don't always
24 have an explanation for them, but if I have to
25 give you an explanation, that's the explanation

1 I would give.

2 , Q. Is not an MI defined as a deprivation of oxygen
3 to the heart muscle?

4 A. That's a partial explanation. That's not the
5 complete definition.

6 Q. Resulting in death of tissue?

7 A. Correct.

8 Q. Okay. Is it not also a fact that when deprived
9 of oxygen, the heart muscle will inevitably lose
10 life, will die, necrose?

11 A. No, that's not absolutely true.

12 Q. You described moments ago your interpretation
13 what occurred at 3:30 in the afternoon and among
14 the description you gave a comment that there
15 would be reduction in the oxygen flow to the
15 heart muscle?

17 A. Further reduction.

18 Q. Further reduction, okay. When there is a
19 further reduction for a significant period of
20 time, will there not be pain?

21 A. Well, not necessarily. You may or may not know
22 that probably one-sixth of patients with heart
23 attacks never have any chest pain.

24 Q. I understand. But if you do have pain, is it
25 not likely that you have lost further

1 oxygenation to the heart muscle?

2 A. Well, that's the explanation I gave. That's the
3 explanation I gave, but that's an explanation.
4 The proof of this is difficult to come by.

5 Q. If you do have the pain as described by the
6 patient at 3:30 in the afternoon, would that not
7 indicate that some of the heart muscle and
8 tissue is dying?

9 A. Well, I think that's a fair statement, as long
10 as the pain is prolonged like it was in this
11 case.

12 Q. Okay. And if true, would that lend credence to
13 the possibility that there was a second MI?

14 MR. MISHKIND: Objection.

15 A. No. Because what we mean by a second MI is that
16 there would be another vessel obstructed, which
17 would cause an MI.

18 If you have, the obstruction is complete
19 and is still there and there is fluctuation of
20 the pain based upon physiological changes going
21 on, that is not a second MI by definition.

22 Q. I see. So if I understand your comment, you
23 would not consider the second MI unless it
24 involved a different vessel?

25 A. Well, it gets very complicated. In general

1 that's true, but that's not always true.

2 The way you would really define a second MI
3 is that you would have blood tests would show,
4 you have abnormal blood tests with the first MI,
5 and then the blood test would get better and
6 then there would be an episode of pain or
7 something. You would do more blood tests and
8 then the blood tests would become elevated,
9 okay?

10 Q. I see.

11 A. So without those kind of data all I can say is
12 that based on my experience with many patients
13 of myocardial infarction following the blood
14 test you do not have to invoke a second MI to
15 explain this man's clinical course.

16 Q. Okay. Now I understand your earlier stated
17 opinions better.

18 How long does an MI last in a typical case?

19 A. I don't understand your question.

20 Q. Well, how long would it normally take for the
21 enzyme studies to show that the MI had ended
22 before you would consider a second MI?

23 A. You are probably talking at least 12 to 36
24 hours, because you would have to -- there's a
25 natural course of the enzyme. They go up and

1 they can continue to go up and then they start
2 to come down, and I would think in 12 to 36
3 hours you would start to see the enzymes come
4 down.

5 So that instead of coming down they started
6 to go up again, then I would think you would be
7 able to tell.

8 Q. Okay. I follow you.

9 What are the classic symptoms for an MI,
10 doctor?

11 A. The classic symptoms, chest pain occurring in
12 most patients in the substernal area. In a
13 significant number of people over the heart,
14 where the heart is, which is the left of the
15 sternum, which we would call precordial, and
16 then you can have, however, pain anywhere from
17 the jaw down to the umbilicus and in any
18 location, but the typical presentation is that
19 of chest pain.

20 Q. Does it require radiation -- radiating pain?

21 A. It does not. It may radiate, but it does not
22 necessarily have to.

23 Q. Does it require shortness of breath as well?

24 A. No.

25 Q. Is the chest pain diagnostic?

1 A. No.

2 Q. Is it severe?

3 A. Not necessarily. It can be, but not
4 necessarily.

5 As we said before, you don't have to have
6 any chest pain at all. So that's the least
7 severe type of chest pain, if you don't have
8 any.

9 Q. Would you agree that the EKG study that we have
10 standing alone is not diagnostic of an acute MI
11 in progress?

12 A. Yes.

13 Q. If John Porach had presented to the emergency
14 room with this EKG study and the symptoms as
15 previously reported, what would be the indicated
16 course of treatment?

17 A. He would be admitted to the hospital and placed
18 on the coronary care unit, and then I think he
19 would be given aspirin immediately in the
20 emergency room. He would have been treated for
21 his pain, either with morphine or intravenous
22 nitroglycerin or both, and then he would either
23 have been taken to the cath lab for an acute
24 emergency cardiac catheterization with the idea
25 of doing an emergency angioplasty, or he would

1 have been given TPA or something like TPA to
2 dissolve the blood clot.

3 Q. Do you have privileges at Southwest General
4 Hospital?

5 A. I do not.

6 Q. Or at Fairview?

7 A. I do not.

8 Q. Do you know based upon your professional
9 associations whether these facilities are
10 available at the emergency rooms at Southwest
11 General or at Fairview?

12 A. Which facilities?

13 Q. To do cardiac cath upon an emergency basis and
14 angioplasty?

15 A. They are available at Fairview, but not at
16 Southwest at the present time. I believe. In
17 fact, I know that for sure. They don't have
18 cardiac surgery, so they can't do cardiac
19 surgery on an emergency basis.

20 Let me back off from that statement.

21 Normally when you do an angioplasty you have to
22 have cardiac surgery for backup. However, if
23 you come to the conclusion that no matter what
24 happens that you are not going to use cardiac
25 surgery backup, under those rare circumstances

1 and only in a few places would one do
2 angioplasty.

3 The reasoning would be this. The artery is
4 completely blocked. If I go in there and open
5 it up and it blocks again, I am no worse than I
6 was before.

7 Most places don't have this type of
8 reasoning. They have the reasoning that if you
9 are going to do angioplasty you have to have
10 cardiac surgery, even in case the artery backs
11 up, under those limitations, and I don't know
12 what the cardiologist's policy at Southwest is.

13 So I would say they have the ability to do
14 TPA, but they do not do cardiac angioplasty with
15 that one reservation. At Fairview they do have
16 cardiac surgery and they do have the capacity to
17 do angioplasty.

18 Q. If the patient presented with this EKG study,
19 but without the symptoms as described by Jaclyn
20 DeWitt, without complaints, in other words, of
21 severe chest pain, radiating pain --

22 A. Then why was the electrocardiogram done?

23 Q. I am assuming now that it has been done.

24 A. But why was it done?

25 Q. Assume for the sake of this question that the

1 EKG study were done without the symptoms
2 reported by the daughter, stepdaughter,
3 Jaclyn --

4 MR. MISHKIND: The daughter what?

5 MR. RISPO: The stepdaughter.

6 MR. MISHKIND: I didn't catch what
7 you said. Stepdaughter, okay.

8 Q. -- and the patient presented to an emergency
9 room, would it be consistent with the standard
10 of care to simply monitor the patient on a
11 coronary care unit?

12 MR. MISHKIND: Let me just object
13 because it assumes facts which are not in
14 evidence, but --

15 MR. RISPO: We have a major
16 dispute --

17 MR. MISHKIND: That's just my
18 objection.

19 MR. RISPO: We have a major
20 dispute what the facts are, but I am
21 probing for the sake of discussion.

22 A. Well, you present a scenario, though, that is
23 impossible. I mean, why did the patient go to
24 the emergency room?

25 Q. Let's suppose he went in for a general checkup.

1 A. Okay. He came into the office and he is feeling
2 perfectly well and he had an EKG like this?

3 Q. Right. And the doctor said, well, I would like
4 you to go over to the emergency room. What
5 would be -- let me ask it this way.

6 Would it be consistent with the standard of
7 care to simply monitor his progress in the
8 coronary care unit without doing, as you
9 described it --

10 A. Oh, of course, under those circumstances you
11 might not even put the patient in the hospital.

12 Q. You might even send him home?

13 A. Sure. You might -- you probably would want to
14 give him some treatment of some sort in the way
15 of medication. You might want to draw some
16 blood tests just to be sure that nothing went
17 on, you know, acutely. In other words, if you
18 did blood tests on someone asymptomatic like
19 this and they came back all normal, you would
20 assume that this was old, but then you would
21 also know that he had coronary disease and you
22 would treat him for chronic coronary disease,
23 and in our particular practice we would and in
24 most cardiologists' practices would then either
25 schedule him for a stress test or more likely

1 schedule him for an elective cardiac
2 catheterization.

3 Q. Would it be fair to conclude then from your
4 previous answers, doctor, that the diagnostic
5 evidence here is the report of symptoms of chest
6 pain, shortness of breath radiating down his
7 arm?

8 A. No, that's not true.

9 Q. Maybe I didn't put it the same way.

10 Would it be fair to say then that the
11 medical evidence requiring the emergency care,
12 including a cardiac cath and thrombo --
13 antithrombolytics and so forth would not be done
14 unless there were the symptoms as he reported
15 them?

16 A. That's correct.

17 Q. It wouldn't be done solely on EKG?

18 A. That's correct.

19 Q. And would you agree that if an MI were not in
20 progress, a patient would not have the classic
21 symptoms that were described by Jaclyn DeWitt,
22 chest pain, radiating pain and shortness of
23 breath?

24 A. I don't quite follow that question.

25 Q. If those physical symptoms were diagnostic and

1 the medical indication for emergency care, they
2 are then diagnostic of an MI, acute MI in
3 progress?

4 A. In conjunction with the electrocardiogram.

5 Q. Right. If John did not have the symptoms
6 described by Jaclyn DeWitt, you wouldn't do the
7 cardiac cath, you wouldn't administer
8 antithrombolytic agents and you wouldn't do an
9 angioplasty, we have agreed on that?

10 MR. MISHKIND: Objection. Go
11 ahead.

12 A. Well, you mean back to the previous scenario
13 where the man comes in and is asymptomatic and
14 is perfectly well?

15 Q. Yes.

16 A. Sure. You have to make a diagnosis of an acute
17 myocardial infarction before you treat it as
18 acute myocardial infarction. In a man who comes
19 in with an electrocardiogram like this in the
20 absence of symptoms, who is feeling perfectly
21 well, you cannot make the diagnosis of acute
22 myocardial infarction and you would not treat
23 him for acute myocardial infarction.

24 Now you might change your mind somewhere
25 along the way if you were to draw a set of

1 enzymes, for example, and in spite of him being
2 asymptomatic the enzymes were limited, then you
3 may change your mind and say this really is a
4 myocardial infarction despite the absence of
5 symptoms. We are going to go ahead and do those
6 things.

7 Q. Let me get at it a little differently.

8 What symptoms are diagnostic symptoms of
9 ischemia?

10 A. Chest pain is the most significant.

11 Q. Are the symptoms the same for ischemia as they
12 are for an acute MI?

13 A. The location of the pain is the same. The
14 character of the pain can be the same, although
15 it is unusual for -- are you talking about
16 angina, is that what you are talking about?

17 Q. Yes.

18 A. You are talking about ischemia without
19 infarction, which we will call angina.

20 Usually the pain is not as severe, but
21 usually it is not severe. Usually you don't
22 have associated symptoms like diaphoresis,
23 sweating, nausea, vomiting, but you may.

24 So that if you were to do an
25 epidemiological study, the patient with angina

1 has less severity of the pain, same location,
2 doesn't have a character of pain like an
3 elephant sitting on the chest, although there is
4 a big overlap here, usually doesn't have
5 associated symptoms and, of course, the pain is
6 short-lived. That's the critical point of
7 differentiation.

8 A patient with angina usually has pain that
9 lasts just for a few minutes, definitely no
10 longer than 15 or 30 minutes, and is -- and
11 that's how you try to make a differential.

12 Q. I see. So that if the pain is passing in
13 nature, it is more likely to be ischemia --
14 angina without MI?

15 A. Angina means there is no MI.

16 Q. Whereas if it is an acute MI in progress and
17 it's been in progress since 5:00 in the morning,
18 it is more probable that it would be continuous?

19 A. Correct.

20 Q. All day?

21 A. Well, it can be continual rather than
22 continuous, but the episodes of pain would be
23 prolonged and not short.

24 Q. How long is prolonged?

25 A. I said, 15 to 30 minutes or longer.

1 Q. More than 15 to 30 minutes?

2 A. Right.

3 Q. If he denied chest pain at 9:30 in the morning,
4 would that rule out an all day long MI?

5 MR. MISHKIND: Objection. Go
6 ahead, doctor.

7 A. I don't know what you mean by an all day long
8 MI.

9 Q. Well, your opinion as stated was that he had the
10 MI in the morning?

11 A. Right.

12 Q. And it hasn't ended by 3:15 or 3:30 in the
13 afternoon?

14 A. You mean the chest pain associated with the
15 myocardial infarction is not ended, is that what
16 you mean? I don't know what you mean by the
17 end. When you have a myocardial infarction
18 there is a natural history of different aspects,
19 there is a natural history of the chest pain,
20 there is a natural history of what goes on
21 pathologically, and these are not necessarily in
22 common.

23 In other words, if you have chest pain that
24 lasts for two hours and goes away, the chest
25 pain is gone, but pathologically there is things

going on within the heart for up to I would say
2 a week or 10 days afterwards there are changes
3 going on in the heart.

4 Q. But with --

5 A. So I don't know what you mean by the end of the
6 heart attack. Are you talking about the chest
7 pain or what are you talking about?

8 Q. If the chest pain ends --

9 A. Correct.

10 Q. -- before 9:30 in the morning --

11 A. Correct. Okay.

12 Q. -- would that mean that the death of heart
13 tissue had stopped?

14 MR. MISHKIND: Objection.

15 A. No.

16 MR. MISHKIND: Objection to the
17 hypothetical.

18 A. Well, yes, I think by that time it would mean
19 that the amount of heart tissue that was going
20 to die has been established. Pathologically,
21 for example, if the pain went away at 9:30 and
22 it started at 5:30 and he were to all of a
23 sudden die, you are lucky you see anything at
24 all on pathology, okay?

25 And if he were to die 10 days later all you

1 would see would be scar tissue.

2 Q. Okay. I think I follow you.

3 - - - -

4 (Thereupon, a recess was had.)

5 - - - -

6 Q. Going back on the record, doctor, is it not
7 unusual for a patient to have an MI and not have
8 classic symptoms until 10 hours after the MI was
9 in progress?

10 A. I would say it's unusual, yes.

11 Q. And if we were to accept the testimony that's
12 available to us, the only information we have
13 here, John Porach didn't have the classic
14 symptoms until 3:30 in the afternoon?

15 A. Well, he had some of the classic -- well, I
16 agree with that, yes.

17 Q. So then would it be fair to say that John
18 Porach's experience was unusual?

19 MR. MISHKIND: Objection.

20 A. Well, I don't know what you mean by unusual. In
21 our experience we see this all the time.

22 Q. It's not classic?

23 A. It is not classic, correct.

24 Q. Is this an example of what has been termed in
25 some of the literature as sudden death syndrome?

1 MR. MISHKIND: Objection. I am
2 not sure what literature you are referring
3 to or --

4 MR. RISPO: I am not referring to
5 anything specific, just in general.

6 A. Yes. He had sudden death, yes.

7 Q. And sudden death occurs among patients who had
8 no prior pathological diagnosis and the
9 statistics are relatively high indicating that
10 those people die before they reach a hospital
11 setting?

12 MR. MISHKIND: Objection.

13 A. Is this a question?

14 Q. Well, let me refer to some of the literature
15 that I have had occasion to review.

16 MR. MISHKIND: Let me just
17 indicate on the record that the literature
18 you are about to refer to you already asked
19 him about and he was not, either did not
20 recognize it as authoritative or was not
21 familiar with it, and I am just going to
22 object. Taking it out of context and not
23 applying it to the facts of this case is
24 inappropriate.

25 But having said that, go ahead and

1 ask your question.

2 Q. Would you agree with the statement that sudden
3 coronary death involves a rapidly progressing
4 coronary lesion which plaque is disrupted and
5 often results in a partial thrombus leading to
6 regional myocardial ischemia that produces a
7 fatal ventricular arrhythmia?

8 MR. MISHKIND: Objection.

9 A. I don't think that occurs in every case, no. I
10 think that can occur, that is one of the
11 scenarios for sudden cardiac death, but there
12 are other scenarios in which there is no
13 obstruction at all.

14 Q. And perhaps no symptoms at all until moments
15 before death?

16 A. Correct.

17 Q. Okay. Would you --

18 A. Well, let me back off. You have to define what
19 you mean by sudden cardiac death. Most people
20 define sudden cardiac death as death occurring
21 within six to 24-hours after the onset of
22 symptoms.

23 This man had what I would call instant
24 cardiac death, which is a subform of sudden
25 cardiac death.

1 Q. Okay. Instant meaning that he had --

2 A. He died instantly.

3 Q. After the MI?

4 A. No. He just died, it means that he died
5 instantly. I mean, he just dropped dead.

6 Well, actually, I will back off. No. I am
7 wrong. He fits under the category of sudden
8 cardiac death because he had symptoms for a
9 number of hours beforehand. Instant cardiac
10 death means he had no symptoms and he just drops
11 dead.

12 Q. If he had no symptoms until he reached the
13 bathroom in the doctor's office, that would be
14 the type you described earlier where he died
15 instantaneously?

16 A. Correct.

17 Q. And that is one form of sudden death?

18 A. Correct.

19 Q. And is it true or would you agree with the
20 statement that roughly one and a half million
21 individuals in the United States alone suffer
22 from acute MI, just generally, annually?

23 A. I think it's less than that now, but it is in
24 the category there. The problem is that
25 patients who die instantly or suddenly without

1 actually objective symptoms are usually thrown
2 into the category of having an MI, and I am not
3 sure that that's necessarily true.

4 Q. So there may be some that died for other
5 unrelated reasons?

6 A. Well, it is mainly coronary disease, but you can
7 have ventricular fibrillation without myocardial
8 infarction.

9 Q. Okay. Is it also true that of those approximate
10 one and a half million annually in the United
11 States who suffer from an acute MI, about only
12 one-third are hospitalized?

13 A. Well, again, with the reservations that I said
14 that assuming they had infarcts, yes.

15 Q. Of those who do suffer sudden death, is it true
16 that a majority of those cases are cases in
17 which they had an acute plaque change with
18 minimal thrombus, which led directly to the
19 fatal arrhythmia?

20 A. I am not familiar with the literature that would
21 substantiate that. That sounds to me like
22 somebody's theory. I do not -- I mean, I don't
23 have any objection to it if the data show that,
24 but I am not personally authoritative enough or
25 have expertise to say whether that's true or

1 not.

2 Q. Would you agree with this statement that early
3 recognition of acute myocardial infarcts by a
4 pathologist is a difficult problem, particularly
5 when death has occurred within minutes to a few
6 hours after onset of the ischemic injury because
7 diagnostic morphological changes lag behind the
8 actual injury?

9 A. Yes. I already testified to that.

10 Q. And do you agree with the statement that
11 myocardial infarcts less than six to 12 hours
12 old are usually not apparent on gross
13 examination?

14 A. On gross examination, yes, I would agree with
15 that.

16 Q. I think you have already agreed with this
17 statement, too, doctor, that patients admitted
18 to the hospital to simply rule out myocardial
19 infarction based upon a negative EKG often go on
20 to infarction on follow-up?

21 A. I agree with that.

22 Q. Okay. The elevated ST changes that you
23 identified in the strip identified as Exhibit 2,
24 are they not more consistent with an MI more
25 than days after -- days before this strip was

1 taken, a study was taken?

2 MR. MISHKIND: Objection.

3 A. Yes, they are more consistent, but,
4 unfortunately, that is not diagnostic. They can
5 occur within hours or actually minutes you can
6 have evolution and changes like this, as proven
7 in this case.

8 Q. Would it be fair to say then 10 percent of the
9 cases of MI the initial symptoms are so mild
10 that the diagnosis is not made until months
11 later when an EKG study is finally taken?

12 MR. MISHKIND: Objection.

13 A. I think it's higher than that. I think it is
14 higher than 10 percent.

15 Q. Is there such a thing as a false negative EKG?

16 A. Yes, you can have a myocardial infarction with a
17 normal electrocardiogram, if that's the
18 question.

19 Q. Would you consider Exhibit 2 an example of a
20 false negative?

21 A. No.

22 Q. As far as --

23 MR. MISHKIND: Exhibit 2 was
24 which, the -- okay. The one at 5:30?

25 MR. RISPO: Yes, on the 14th of

1 October of '94.

2 MR. MISHKIND: Go ahead.

3 Q. With respect to the chances of survival now,
4 doctor, I would like to ask you statistically
5 speaking, is it not true that with males in
6 their mid-forties the statistics show that the
7 chance of survival is significantly reduced
8 among those males who have sudden myocardial
9 infarctions?

10 A. As compared to what?

11 Q. Older patients who may have developed collateral
12 circulation.

13 A. Well, I can't answer your question because I
14 don't -- how do you know that they developed
15 collateral circulation?

16 Q. Let me drop out that part of the question then
17 and just say as compared to older patients.

18 A. No. It's just the opposite.

19 Q. It's your view that the younger patients have a
20 higher chance of survival?

21 A. Correct.

22 Q. Given the circumstances of this case and the
23 degree of coronary artery disease in question,
24 do you have an opinion as to what his expected
25 survival would be?

1 A. Yes.

2 Q. What would it be?

3 A. Well, it depends upon what the course of events
4 would be. In other words, if he was able to
5 have intervention that was successful, then his
6 prognosis would be better than if he was not
7 treated with modern day methods.

8 Q. I guess you are assuming that had there been
9 prompt recognition assuming the symptoms,
10 that's the probability of survival?

11 A. I understand that, but it depends upon what the
12 course of events were. In other words, if he
13 had a successful angioplasty and he had
14 successful bypass surgery, his prognosis, of
15 course, would be much better than if these
16 interventions were unsuccessful.

17 Q. Assuming he had the best of care?

18 A. The best of care? I would assume that -- what
19 was the question again?

20 Q. What would be his life expectancy?

21 A. I would think he probably would have like a --

22 Q. Keeping in mind --

23 A. He would likely have about a 25 year, 50 percent
24 survival.

25 Q. 25 year being to age 70?

1 A. How old was he?

2 MR. MISHKIND: 44.

3 A. 69. He had a 50/50 chance of getting to be the
4 age of 69.

5 Q. Did you take into account the fact that he had
6 established now by reason of the pathology
7 studies significant coronary artery disease?

8 A. Absolutely. That's what the basis is done on.

9 Q. And if he had not had the coronary artery
10 disease as shown on the pathology studies, what
11 would his normal life expectancy be?

12 A. I don't have those data.

13 Q. It would be significantly greater, wouldn't it?

14 A. What do you mean by significantly?

15 Q. 10 years longer?

16 A. I don't know the answer to that question. You
17 would have to look it up in the life table and
18 see what your life expectancy is at age 44.

19 Q. Is there a significant percentage of population,
20 particularly among men, who deny their symptoms?

21 MR. MISHKIND: Objection. Go
22 ahead.

23 A. Yes.

24 Q. And is that not, in fact, part of the main
25 problem in diagnosing and rendering the

1 appropriate care to male patients?

2 MR. MISHKIND: Objection to the
3 general nature of the question. Go ahead.

4 A. Yes.

5 Q. Standards of care now, doctor. Standards for
6 board certified cardiologists are greater or
7 higher than those for an internist, are they
8 not?

9 MR. MISHKIND: Recognizing that
10 Dr. Botti is a board certified internist,
11 not a board certified cardiologist?

12 A. It has nothing to do with me.

13 Q. I wasn't necessarily addressing your specialty,
14 but I am asking about the difference between a
15 board certified cardiologist in general as
16 compared with an internist such as Dr. Lalli.

17 MR. MISHKIND: Objection.

18 A. There are no standards established. I think
19 that as far as the standards of recognizing and
20 screening or referring patients with myocardial
21 infarction for appropriate care, I would say the
22 standards are no different.

23 I think the standards may be different once
24 the patient is diagnosed as having a myocardial
25 infarction and then is brought into the hospital

1 for treatment, but the way we practice --

2 Q. In terms of diagnosis.

3 A. In terms of suspicion of diagnosis, I think the
4 standards are the same.

5 Q. How about the standards of a board certified
6 cardiologist on the one hand and a family
7 practitioner or a general practitioner on the
8 other?

9 A. I think the standards as far as suspecting
10 myocardial infarction and referring them to the
11 appropriate facility or physician for care are
12 the same.

13 Q. How about the standard as compared between a
14 board certified cardiologist and, let's say, a
15 nurse?

16 A. I have no idea.

17 Q. Do you know what the standards are for a nurse?

18 MR. MISHKIND: I mean, object
19 because there is no nurse involved in this
20 case, but --

21 A. I have no idea what the standards are for a
22 nurse.

23 Q. Do you have any knowledge of what the standards
24 are for a nonmedically trained receptionist in a
25 doctor's office?

1 A. As far as I know, there are no standards that
2 are written down anyplace.

3 Q. You don't have an opinion, therefore, as to what
4 the standards of care for a receptionist would
5 be?

6 MR. MISHKIND: Objection.

7 A. Yes, I have an opinion

8 Q. You said they are not written down anywhere?

9 A. There are no standards written down for
10 receptionists, as far as I know.

11 Q. And a few minutes ago you said you have no idea?

12 A. Well, because I run an office, I have an idea of
13 how a receptionist should handle calls that come
14 in referring to different complaints.

15 Q. Is this your personal opinion?

16 MR. MISHKIND: Objection.

17 A. All of this is my personal opinion.

18 Q. So it is not based upon a generally recognized
19 standard of care?

20 MR. MISHKIND: Well, I am going to
21 object to the form of the question.

22 A. Generalized recognized standard of care? Not
23 that I know.

24 Q. You wouldn't hold a receptionist to the same
25 standards that you would expect from your

1 colleagues, board certified internists or
2 cardiologists?

3 MR. MISHKIND: Objection.

4 A. I find it difficult to answer the question. I
5 don't know what you are referring to, making a
6 diagnosis of a myocardial infarction or are you
7 referring to whether they should call -- for
8 example, if I get a call from a patient, the
9 buck stops with me. I don't have to refer the
10 patient anyplace else, but if my receptionist
11 gets a call from the patient she should under
12 certain circumstances refer the questions to me.

13 Q. I understand that, but you wouldn't expect her
14 to diagnose the condition?

15 A. Absolutely not.

16 Q. Okay. Do you have any written standards or
17 protocol for the receptionists in your office?

18 A. We do not.

19 Q. Are the receptionists in your offices all
20 trained nurses?

21 A. No.

22 Q. Are the receptionists in your offices put
23 through any special training?

24 A. No.

25 Q. What advice do you give or what training -- what

1 instruction do you give to your receptionists as
2 to how they should handle a patient who calls in
3 and asks for an appointment because he is having
4 chest pain?

5 A. Those patients -- those calls are -- well, it
6 depends upon, they take a history of the chest
7 pain. If the chest pain is new with the
8 patient, we get called immediately to talk to
9 the patient.

10 Q. Okay. Do you have a standing order with any of
11 your receptionists that if you are not available
12 they should take other measures?

13 A. I am available.

14 Q. Assuming you are not available, say you are out
15 of town.

16 A. Yes. They give it to one of my partners.

17 Q. Okay. How about -- is your office always
18 staffed 24-hours a day?

19 A. We have a call service 24-hours a day.

20 Q. Do you leave any instructions with your call
21 service?

22 A. They call immediately. The call service always
23 calls immediately.

24 Q. Calls the physician?

25 A. The physician.

1 Q. They don't have a standing order to refer the
2 patient immediately to the emergency room
3 without calling you?

4 A. The call service or no one has that authority.

5 Q. In your opinion, does a patient bear some
6 responsibility if he denies symptoms?

7 MR. MISHKIND: Objection. Are you
8 talking about specifically in this case or
9 are you speaking in general?

10 Q. I am talking about this case, but I am asking
11 you to assume that for purposes of this case --
12 for purposes of this question that John Porach
13 denied his symptoms for a period of nine or 10
14 hours.

15 MR. MISHKIND: Objection. That
16 assumes facts which are not in evidence,
17 but go ahead and answer the question.

18 A. Ask me the question again.

19 MR. MISHKIND: I am sorry for
20 interrupting.

21 Q. The question is whether a patient in the
22 position of John Porach, if it is true that he
23 denied his symptoms for a period of nine or 10
24 hours between 5:00 in the morning and 3:00 in
25 the afternoon, would bear some responsibility

1 for his ultimate demise?

2 MR. MISHKIND: Objection. Again,
3 it does not assume facts in evidence.

4 A. The answer is no.

5 Q. Does a patient ever bear any responsibility for
6 his ultimate demise?

7 A. Yes.

8 Q. And would he bear some responsibility if he
9 denies his symptoms and doesn't report them?

10 A. No.

11 Q. Under what circumstances would he bear
12 responsibility?

13 A. If he were instructed to do certain things and
14 he didn't do them.

15 Q. You wouldn't blame the physician if the patient
16 reports -- fails to report all of his symptoms,
17 would you?

18 A. I would blame the physician if he didn't ask
19 adequate questions to bring out the symptoms.

20 Q. And if he did ask the right questions and the
21 patient denied those symptoms --

22 A. You are only do what you can, right.

23 Q. Then you can't blame the doctor?

24 A. Correct.

25 Q. If a patient had his acute MI at 5:00 in the

1 morning and did not call for emergency
2 assistance, did not go to an emergency room
3 immediately thereafter, waited around in his
4 home for a period of nine hours, did not object
5 when he could not get an immediate appointment
6 at a doctor's office, denied or neglected to
7 report his symptoms to members of his family
8 until nine hours later and told the office
9 receptionist on at least one occasion that he
10 did not have chest pain, and if the patient
11 drove past an emergency room at Southwest
12 General Hospital on his way to the doctor's
13 office, would you think that that patient bore
14 some responsibility for his own death?

15 MR. MISHKIND: Let me just show an
16 objection to the hypothetical. But go
17 ahead and answer the question, doctor.

18 A. No.

19 MR. RISPO: I think I have
20 concluded and I want to thank you very much
21 for your patience, doctor. I am still
22 learning.

23 MR. MISHKIND: I would like for
24 the doctor when it is transcribed, even
25 though it is not highly technical, I would

1 like the doctor to read it rather than
2 waiving signature on this.

3 THE WITNESS: I would prefer to do
4 it.

5
6 ROBERT E. BOTTI, SR., M.D.
7

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Susan M. Cebron, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named ROBERT E. BOTTI, SR., M.D., was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this _____ day of _____, A.D. 19 ____

Susan M. Cebron, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires August 17, 1998

W I T N E S S I N D E X

PAGE

CROSS-EXAMINATION

ROBERT E. BOTTI, SR., M.D.

BY MR. RISPO..... 3

E X H I B I T I N D E XEXHIBITMARKED

Defendant's Exhibit 1, Botti,
two-sided document entitled
Bipolar Limb Leads..... 9

Defendant's Exhibit 2, Botti,
one-page document containing
echocardiogram strips..... 9

Defendant's Exhibit 3, Botti,
document entitled Evolutionary
Changes Following Blood Flow
Obstruction..... 25