

486

IN THE COURT OF COMMON PLEAS
OF CUYAHOGA COUNTY, OHIO

RUTH TREXLER,

Plaintiff,

vs.

Case No.

JOHN P. O'BRIEN,

119633

Defendant.

- - - - -

Videotaped deposition of MALCOLM
BRAHMS, M.D., the Witness herein, called by the
Defendant for examination under the statute,
taken before me, Vivian L. Gordon, a Registered
Professional Reporter and Notary Public in and
for the State of Ohio, pursuant to notice and
stipulations of counsel, at the offices of
Malcolm Brahms, M.D., 26900 Cedar Road,
Beachwood, Ohio, on Tuesday, June 7, 1988, at
5:30 o'clock p.m.

- - - - -



PG LN J612 TREXLER-BRAHMS 6-7-88 vg ---COMPUTER INDEX

PG LN BY-M*

3	23	MALCOLM BRAHMS, M.D.	BY-MR. DJORDJEVIC: Q.
26	6	MALCOLM BRAHMS, M.D.	BY-MR. SHANE: Q.
69	7	MALCOLM BRAHMS, M.D.	BY-MR. DJKORDJEVIC: Q.
75	11	MALCOLM BRAHMS, M.D.	BY-MR. SHANE: MR. SHANE:

PG LN MARK'D

PG LN AFTERNOON-SESSION

PG LN ---THIS INDEX IS RESEARCHED BY COMPUTER---

PG	LN	[Ngl]612 TREXLER-BRAHMS	6-7-88 vg	OBJECT!
3	9	O'Brien. Is there any	objection to going	
24	1	MR. SHANE:	Objection. A.	It was
24	3	outweighed any of the	objective findings. I	
24	8	I withdraw that	objection. Q.	Doctor,
31	25	DJORDJEVIC: I will	object. And move to	
34	15	dates. A. I have no	objections to that. Go	
37	18	supports the	objective findings of no	
47	5	A. But there is no	objective findings. Q.	
53	22	I am sure it will be	objected to. Q.	
54	7	I am going to	object. MR. SHANE: You	
54	8	MR. SHANE: You can	object as much as you	
55	14	I am going to note an	objection for	
55	25	that I would find any	objection to Dr. Zaas'	
56	25	Asked and answered.	Objection. Move to	
57	4	MR. DJORDJEVIC: Same	objection. A. Mr.	
57	25	you will withdraw your	objection, I will not	
58	4	I am going to	object to you -- MR.	
65	20	MR. DJORDJEVIC:	Objection. Move to	
69	22	it again? I have no	objection either way.	
71	1	MR. SHANE: I have to	object to coulds. If he	
71	23	SHANE: Then I have to	object and ask the	
72	9	not have any sign, any	objective evidence to	
72	20	MR. SHANE:	Objection. Oh, let him	
74	9	SHANE: You know, I am	objecting and I still	
74	11	I have to make my	objection, but I don't	
74	13	Off the record, my	objection stands. A.	
74	19	MR. SHANE:	Objection. A. A	
74	23	MR. SHANE:	Objection as being	

1 APPEARANCES:

2 On behalf of the Plaintiff:

3 Shane & Shane, by

4 LOUIS G. HENDERSON, ESQ.

5 MICHAEL SHANE, ESQ.

6 1460 Illuminating Building

7 Cleveland, Ohio 44113

8 946-0855

9 On behalf of the Defendant:

10 Jacobson, Maynard, Tuschman & Kalur,

11 by MICHAEL DJORDJEVIC, ESQ.

12 14th Floor 100 Erieview Plaza

13 Cleveland, Ohio 44114

14 621-5400

15 ALSO PRESENT:

16 Steven Henschel, Video Technician

17 ----

18

19

20

21

22

23

24

25

1 MR. HENSCHER: We are on the
2 record at 5:47.

3 MR. DJORDJEVIC: Let the
4 record reflect that we are here pursuant to
5 notice and agreement of counsel to take the
6 perpetuation deposition of Dr. Malcolm Brahms,
7 witness for the defense in the case of Trexler
8 versus O'Brien.

9 Is there any objection to going forward
10 with the deposition at this time?

11 MR. SHANE: No. And I hope
12 it is not just to perpetuate the testimony
13 because Dr. Brahms is one of my favorites and I
14 hope he is here another 50 years.

15 MR. DJORDJEVIC: Good. With
16 that in mind, would we swear the witness.

17 MALCOLM BRAHMS, M.D., of lawful age,
18 called for examination, as provided by the Ohio
19 Rules of Civil Procedure, being by me first
20 duly sworn, as hereinafter certified, deposed
21 and said as follows:

22 EXAMINATION OF MALCOLM BRAHMS, M.D.

23 BY-MR. DJORDJEVIC:

24 Q. Dr. Brahms, would you be so kind as to
25 state your name and spell your last name for



1 the members of the jury.

2 A. Dr. Malcolm A. Brahms, B R A H M S.

3 Q. And Dr. Brahms, would you tell the
4 members of the jury what you do by way of
5 profession, sir?

6 A. I'm a physician, orthopedic surgeon.

7 Q. And how long have you been a physician?

8 A. Since 1950.

9 Q. I wonder if you could tell us a little
10 bit about your training and education in order
11 to become first, a physician, and secondly, an
12 orthopedic surgeon?

13 A. Yes. I graduated from Western Reserve
14 University in 1950 and served a year of
15 internship at Cleveland City Hospital, now
16 known as Cleveland Metropolitan General
17 Hospital.

18 Q. What was that internship training in,
19 doctor?

20 A. Rotating internship in medicine.

21 Q. All right.

22 A. I followed that by year of general
23 surgical training at that same institution,
24 followed by three years of orthopedic surgical
25 training, one at Mount Sinai Medical Center in



1 Cleveland Ohio and two at Indiana University
2 Medical Center in Indianapolis, Indiana.

3 Q. Doctor, some of the members of the jury
4 may not be familiar with the term orthopedics
5 or orthopedic surgery. Can you explain to us
6 very simply what those terms mean?

7 A. Orthopedic surgery is that branch of
8 medicine that deals with the investigation,
9 preservation and restoration of the form and
10 function of the musculoskeletal system by
11 medical, surgical and rehabilitative means.

12 Q. And would I be correct in stating then
13 that an orthopedic surgeon is a medical doctor
14 who specializes in the musculoskeletal system?

15 A. That is correct.

16 Q. Doctor, in order to become an orthopedic
17 surgeon, is it necessary for a doctor to become
18 certified as an orthopedic surgeon or is that a
19 beneficial thing to do?

20 A. That's a beneficial thing to do. It's
21 not a requirement, but is something that one,
22 if he has trained **and** has completed an
23 orthopedic residency and if he is capable
24 should attempt to be certified.

25 Q. And does the certification of a doctor,



1 as an orthopedic surgeon, involve that
2 physician being tested in some way to make sure
3 that he has the minimal requirements for that
4 specialty area?

5 A. Yes, that's correct. After successfully
6 completing an AMA approved residency, a written
7 and an oral examination is taken, a mandatory
8 two years of practice is required, followed by
9 a repeat written and oral examination.

10 Successful completion of all of those
11 requirements entitles one to become certified.

12 Q. And doctor, did you comply with those
13 requirements?

14 A. Yes, that's correct.

15 Q. And you are board certified?

16 A. I am.

17 Q. Doctor, are you on the staffs of any area
18 hospitals?

19 A. I am.

20 Q. Would you tell us which hospitals you
21 presently practice at?

22 A. Mount Sinai Medical Center and I have
23 privileges at Suburban Community Hospital.

24 Q. Doctor, are you a member of any
25 professional organizations or associations?

1 A. I am.

2 Q. Would you tell us just briefly which
3 associations you are involved with?

4 A. Sure. I am a member of the Cleveland
5 Academy of Medicine, the Ohio State Medical
6 Association, the American Medical Association,
7 I'm a Fellow of the American College of
8 Surgeons, I'm a Diplomat of the American
9 Academy of Orthopedic Surgeons, I am a member
10 of the American Academy of Orthopedic Surgeons
11 for Sports Medicine, I'm one of the founding
12 members of the American Academy of Orthopedic
13 Surgery for the foot and the ankle. I belong
14 to the Cleveland Orthopedic Club, to the Ohio
15 State Orthopedic Association, to the Clinical
16 Orthopedic Association, to the International
17 Society of Orthopedists and Traumatologists and
18 the Mid America Association and some other
19 minor groups as well.

20 Q. Doctor, have you had occasion to be
21 involved in teaching other physicians relative
22 to the subspecialty area of orthopedic surgery?

23 A. Yes. And am still actively engaged in as
24 a member of the faculty of the American Academy
25 of Orthopedic Surgeons for teaching on CME

1 courses throughout the year.

2 Q. Okay. And would you just give us a
3 flavor of what that type of teaching involves,
4 briefly?

5 A. Yes, principally it is foot surgery.

6 Q. All right. Finally, doctor, have you had
7 occasion have published any of your work,
8 either in scholarly journals or in books that
9 are used by other physicians?

10 A. I have articles in all the major and the
11 minor journals in orthopedic surgery and am the
12 author of a chapter in two of the most recent
13 textbooks on the market.

14 Q. Doctor, focusing now on the case at
15 issue, did I ask you several months ago if you
16 would be so kind as to conduct an examination
17 of the plaintiff in this case, Ruth Trexler?

18 A. Yes.

19 Q. And would you tell me, did you have
20 occasion to conduct an examination?

21 A. I did.

22 Q. Of Mrs. Trexler. And when did that take
23 place?

24 A. I saw her on the 5th of May of 1988.

25 Q. All right. Doctor, at the time of that



1 examination, had you been given the opportunity
2 to review any materials relative to her
3 history?

4 A. Yes. I saw reports of other doctors. I
5 saw reports of X-rays, CT scans, et cetera.

6 Q. All right. And those materials were all
7 reviewed by you prior to your actual
8 examination of this patient?

9 A. No. I usually examine the patient and
10 then review those reports. Since I don't like
11 to be, I don't like my information colored by
12 anybody else's impression until after I have
13 examined the patient.

14 Q. All right. Would you tell us, doctor,
15 what would have been the first thing that you
16 would have done when you saw Ms. Trexler on the
17 5th of May, 1988?

18 A. I took a history from this patient.

19 Q. All right. What exactly did her history
20 reveal in this case?

21 A. She told me that on the 22nd of December
22 of 1984 she was involved in a motor vehicle
23 accident. This occurred in Richmond heights
24 near the freeway and near Route Number 6. She
25 said that she was a driver of her automobile

1 and was wearing a seat belt.

2 **She** said that she was stopped at a
3 traffic light and was hit from the rear. She
4 said that she struck the left side of her face
5 against the headrest and twisted initially to
6 the right and then backward to the left. She
7 was not certain whether or not she was rendered
8 unconscious. She did believe, however, that
9 she was not aware of her surroundings for a
10 short period of time.

11 Q. All right.

12 A. She said that when she was able to
13 comprehend what was going on that there was
14 someone standing at the side of her car banging
15 on the window and it was the occupant of the
16 other car. She said that initially she was
17 unable to roll down the window but eventually
18 she was able to do so. She was asked by the
19 police whether she wanted to go to the
20 hospital. She refused. She drove herself home
21 and the next day was seen at the Mentor
22 Emergicenter. She was examined there, X-rays
23 were taken and X-rays were taken of her neck
24 and her back.

25 Q. At the Emergicenter?

1 A. That's right.

2 Q. Continue, doctor. Was there any other
3 history?

4 A. She was given a prescription. She was
5 told that she was, "bruised by the seat belt."
6 She was not provided with a cervical collar.
7 She was referred to a doctor of her own
8 choice. She is uncertain what doctors she saw,
9 but does recall that she was seen by a Dr.
10 Walker who is a chiropractor. And he treated
11 her for several weeks.

12 She said, "His treatment hurt more after
13 the treatment." He initially examined her on
14 the 25th of February of 1985.

15 She also was seen by a Dr. Moshkovich who
16 saw her on the 10th of January of 1985. He
17 gave her a prescription and also suggested some
18 excises. She told me that, "he gave me no
19 answers."

20 Q. Any other history?

21 A. Yes

22 Q. Continue, please.

23 A. She said that she looked for the name of
24 a osteopath in the yellow pages and she was
25 seen by Dr. Hurwitz. His treatment included

1 osteopathic manipulations, massage and physical
2 therapy.

3 She reported, "I went to him for quite
4 awhile and wasn't getting any better."

5 She stated that she wanted to find a
6 doctor who would tell her what was wrong with
7 her and why. She said that she sought
8 treatment from a Dr. Nemunaitis whom she knew
9 because she was once employed at the Euclid
10 Clinic. He obtained some more X-rays. The
11 patient stated that she didn't want anymore
12 X-rays; that she had a number of X-rays.

13 She sought treatment from Dr. Cudnik.
14 Dr. Cudnik she told me was a hand surgeon and
15 thought that he was experienced with shoulder
16 pain. She reported that he could not tell her
17 anything except, "Your hands are connected to
18 your neck."

19 She said that she was getting,
20 "desperate" and then went to the Cleveland
21 Clinic whereas she was seen by Dr. Wilke. He
22 examined her on the 18th of August of 1986.
23 She reported that his examinations included
24 that from her blood and some more X-rays. He
25 provided her with some sleeping pills and

1 Plaquenil and told her she had, "a rheumatoid
2 factor".

3 She reported that she saw him on three or
4 four occasions without any improvement. She
5 was later told that she did not have a
6 rheumatoid arthritis but did have fibrositis.

7 She said that a CT scan was performed at
8 the Euclid Clinic in December of 1987 and
9 stated that Dr. Wilke did not see that scan and
10 she had discontinued all her rheumatoid
11 medications.

12 She then returned to seek further
13 treatment from Dr. Nemunaitis. She reported
14 also that she was seen by a Hematologist, a Dr.
15 Rosensweig, who wanted to do more hemotological
16 investigation. Patient stated that she feared,
17 "I thought I had some dreaded disease" and
18 wanted to find out and get a direct answer from
19 anybody.

20 She reported that she wasn't satisfied
21 with the management and the treatment that she
22 was receiving. She was also seen by a Dr.
23 Howard Tucker who is a neurologist. He
24 examined her on the 25th of October of 1985.
25 The patient reported that she obtained, "A whole

1 menagerie of muscle relaxants and pain pills."

2 When I examined her, she reported that
3 she had neck pain, which was manifested by a
4 feeling of "uncomfortable". She said that she
5 had a burning sensation in the back of her neck
6 which radiated between her shoulder blades.
7 All physical activities she reported aggravated
8 her symptoms. Cold weather also aggravated her
9 symptoms.

10 She said that prior to her motor vehicle
11 accident she was active in bowling and even
12 attempted bowling after the injury.

13 Q. Let me stop you for just a moment,
14 doctor.

15 By the way, when you were obtaining this
16 history from the patient, was there anyone else
17 present at that time?

18 A. Yes. A Mr. Dave Meyerson was an attorney
19 was present.

20 Q. All right. Continue, doctor. Did you
21 obtain any other history at that time?

22 A. Yes. At the time she said that she was
23 employed at the Lake Toyota Company. She said
24 that she lost no time from **work** because the
25 injury occurred around Christmas time and

1 accordingly she was able to take some rest
2 periods during that season. She was unable to
3 take time away from her work because she is the
4 sole support of herself and her child.

5 She no longer does any lifting on her
6 job. She reports that her household duties no
7 longer include the ability to rake leaves, to
8 shovel snow, to move furniture or to mow the
9 lawn.

10 She told me that she was able to reach
11 overhead to comb her hair, carry out all the
12 activities of daily living which included
13 dressing herself, and she experienced no
14 trouble using her fingers such as buttoning her
15 blouses, et cetera.

16 She said that she had a tingling
17 sensation in her left arm which was
18 intermittent.

19 Insofar as her back is concerned, she
20 reports that this is no longer as troublesome
21 as her neck. The patient stated that after her
22 injuries, "My entire spine was treated."

23 That was the history that she gave me.

24 Q. All right. Doctor, after you obtained
25 the history from the patient, what would be the

1 next thing that you would do relative to
2 conducting your examination in this case?

3 A. To take a past history to find out
4 whether or not the patient has had any previous
5 injuries, operations, et cetera.

6 Q. All right. And is that what you did
7 specifically with Mrs. Trexler?

8 A. Yes, that's correct.

9 Q. And would you tell us what that past
10 history revealed, if anything, doctor?

11 A. Well, she told me she was involved in a
12 minor accident in 1976, sustained "a pulled
13 muscle in her right arm."

14 She also said she had an operation on her
15 foot which she described as, "a tumor on the
16 blood vessel." Which I presume was what is
17 known as a Morton's neuroma.

18 Q. After you obtained the history, the
19 complaints and the previous medical history,
20 what would be your next step, doctor?

21 A. Physical examination.

22 Q. And did you proceed to conduct a physical
23 examination of this particular patient?

24 A. I did.

25 Q. Would you tell us how you conducted the

1 examination, firstly, doctor?

2 A. Sure. We asked the patient to disrobe,
3 all except removing her brassiere and her
4 panties, and then do a complete orthopedic
5 examination.

6 Q. All right. Would you tell us what would
7 be involved in doing a complete orthopedic
8 examination and specifically what you did for
9 Mrs. Trexler?

10 A. Sure. We found that this is a 36 year
11 old 135 pound five foot eight inch female. We
12 examined her cervical spine. We checked the
13 motions of the cervical spine, flexion meaning
14 bringing her head forward, extension meaning
15 Looking **up** toward the ceiling, turning her head
16 to the other side and rotating her head to
17 either side. All of these movements were
18 within normal limits.

19 Q. Does that have any particular
20 significance to you as an orthopedic surgeon,
21 doctor?

22 A. Certainly. We like to find out whether
23 or not a patient has any limitation of
24 movements of their neck and to find out if
25 there are any specific limitations which would

1 be of concern.

2 Q. All right. Continue, doctor.

3 A. She demonstrated no evidence of any
4 muscle spasm. We checked the motion of her
5 shoulders and this included internal and
6 external rotation. All the movements of her
7 shoulders were within normal limits. She was
8 able to move both of her shoulders in all the
9 ranges.

10 Q. Very good. What else did your
11 examination consist of?

12 A. We checked her reflexes. We found those
13 to be physiological. We checked her grip
14 strength using what is known as a Vigorimeter
15 which is a dinometer and on the right she was
16 able to compress on two different occasions two
17 pounds and three pounds per square inch and on
18 the left four pounds per square inch on two
19 occasions.

20 Q. And what is the purpose of that test,
21 doctor?

22 A. This is an examination to determine the
23 strength of the grip of the individual. It's a
24 motor test.

25 We also checked her sensation with a pin



1 prick and found that to be within normal
2 limits. We found that the pulses in her upper
3 extremities were palpable, meaning that
4 circulation in the upper extremities was
5 normal.

6 She demonstrated no evidence of any
7 trapezius muscle soreness. A trapezius muscle
8 is that muscle which goes from the nape of the
9 neck towards the shoulder and that was absent
10 as far as soreness was concerned.

11 She demonstrated no evidence of any
12 scapular angle tenderness. This is a point of
13 reference of pain frequently in people who have
14 neck and shoulder pain.

15 We checked the Adson's sign and we found
16 that to be absent, meaning that it is a normal
17 examination.

18 Q. What is the Adson's sign indicative of if
19 it is present, doctor?

20 A. The Adson's sign is a specific test done
21 with the neck and the unper extremity to
22 determine whether or not there is any evidences
23 for compression of the nerves or the blood
24 vessels in the pathway from the region of the
25 neck to the upper extremities. It is a sign

1 that is used to determine whether or not the
2 patient has, for example, what is known as a
3 thoracic outlet syndrome.

4 Q. Very good. Would you continue with what
5 else you did in your examination?

6 A. We did a hyper abduction test of her
7 upper extremities and we found that test also
8 to be within normal limits.

9 We then did what is known as a low back
10 examination and we found that the patient was
11 able to stand on her heels and toes and she was
12 able to bend forward 90 degrees. That means
13 that she is able to reach the ground with her
14 finger tips and both of those are normal
15 ranges.

16 The straight leg raising sign is a test
17 done with the patient lying on the examining
18 table, raising the leg up without bending the
19 knee, and we found that she was able to reach
20 75 degrees of straight leg raising, which is a
21 normal range. She demonstrated no muscle spasm
22 in the lumbar region, no loss of sensation by
23 virtue of the pin prick and we found her
24 reflexes to be physiological as well.

25 We were not able to measure any muscle

1 loss or atrophy and we found that her pulses in
2 her lower extremity were palpable. We found
3 that her leg lengths were on the right **88**
4 centimeters, on the left 89 centimeters, which
5 is an insignificant amount of difference in the
6 leg lengths.

7 We found that her hip joints motions were
8 totally within normal limits as well. That was
9 the physical examination.

10 Q. All right, doctor. Relative to your
11 evaluation of this particular patient, what
12 would you have done after concluding your
13 physical examination?

14 A. We would normally like to see some X-rays
15 and review any records which would indicate
16 specific sophisticated tests which are
17 sometimes done prior to the time that I examine
18 the patient.

19 Q. And did you have occasion to review the
20 **results** or interpretations of any X-rays for
21 specific tests as they related to Ruth Trexler?

22 A. Yes, I reviewed the X-rays that were
23 reported, the regular X-rays which demonstrated
24 some degenerative changes, arthritic changes in
25 the lower portion of her cervical spine. It

1 revealed that she had a reversal of her
2 lordotic curve indicative of that at that point
3 in time of some muscle spasm.

4 We reviewed the report of the CT scan,
5 which was taken in December of 1987.

6 Q. What is a CT scan, doctor?

7 A. CT scan is the computerized tomography
8 examination. It's a special X-ray done in what
9 we call cutting through segments of three to
10 five millimeter levels. It gives one a three
11 dimensional picture of the vertebra, the soft
12 tissues, the spinal cord, the nerve roots, the
13 disks in the cervical region and similarly in
14 the lumbar region when one is obtained in that
15 part.

16 Q. And upon your interpretation of the
17 findings of the CT, what did you note?

18 A. The CT report suggested that the patient
19 had some arthritic changes in the lower
20 cervical spine. It also suggested that she had
21 a two millimeter bulge at one of the disk
22 levels. A two millimeter bulge in a 36 year
23 old person in the mid line has no significance
24 whatsoever.

25 Q. All right. Did you find anything

1 abnormal other than the degenerative changes
2 that you have discussed in the review of the CT
3 scan, doctor?

4 A. Nothing else.

5 Q. All right. Doctor, at this point, after
6 your examination, your history, your review of
7 the other pertinent documents, were you able to
8 reach some conclusions or some impressions
9 relative to what you thought the problem was
10 with Ms. Trexler?

11 A. Yes, I thought that at the time of her
12 accident she had some soft tissue injuries,
13 that she aggravated the preexisting arthritic
14 changes in her cervical spine, and I thought
15 that given the treatment and her relative young
16 age that she was able to return to a normal
17 homeostatic state within a reasonable period of
18 time.

19 Q. Doctor, were you able to conclude to a
20 reasonable degree of medical probability as to
21 whether or not the condition which Mrs. Trexler
22 was complaining of, that being of constant
23 pain, was compatible with the finding that you
24 made on your examination and the documents that
25 you reviewed?

1 MR. SHANE: Objection.

2 A. It was my opinion that her subjective
3 symptoms far outweighed any of the objective
4 findings. I could not find any significant
5 residual manifestations of injury to the
6 cervical or of the lumbar spine.

7 MR. SHANE: I withdraw that
8 objection.

9 Q. Doctor, did you have an opinion or were
10 you able to find an opinion to a reasonable
11 degree of medical certainty as to whether or
12 not Ms. Trexler presented with a thoracic
13 outlet syndrome?

14 A. I have an opinion.

15 Q. Would you tell us what that opinion is,
16 doctor?

17 A. There is absolutely no evidence to
18 support a thoracic outlet syndrome.

19 Q. All right. And doctor, do you have an
20 opinion based upon a reasonable degree of
21 medical probability as to whether or not there
22 is any justification in reaching a diagnosis of
23 the cervical or lumbar thoracic disk problem in
24 Mrs. Trexler's case?

25 A. I have an opinion.

1 Q. And what is that opinion, doctor?

2 A. There is no evidence certainly by the
3 sophisticated CT scan to support any concept of
4 a cervical disk problem. There is no question
5 that she has some arthritic changes which are
6 seen not only on the CT scan but the plain
7 X-rays as well, and I think that that can
8 account for her early symptoms.

9 Q. All right. Doctor, finally, do you have
10 an opinion based upon a reasonable degree of
11 medical probability as to what injuries, if
12 any, were sustained by Mrs. Trexler proximately
13 caused by the automobile accident which she was
14 involved in?

15 A. I think she had soft tissue injury in her
16 cervical spine. I think she had an aggravation
17 of her preexisting arthritis.

18 Q. And do you have an opinion as to the
19 reasonable duration of those symptoms?

20 A. I think in a person of this age group
21 that a period of three to six weeks, perhaps
22 even as much as eight weeks in one who has some
23 arthritic changes, and one might even stretch
24 that to as far as ten weeks on the outer
25 limits, should be the period of recuperation

1 necessary to resolve those symptoms.

2 MR. DJORDJEVIC: Doctor,
3 thank you very much. I have no further
4 questions. I am sure Mr. Shane will.

5 EXAMINATION OF MALCOLM BRAHMS, M.D.

6 BY-MR. SHANE:

7 Q. Okay. This is Mike Shane. Doctor, I
8 have met you before. We have been at odds a
9 number of times over the last 30 years and
10 together on a number of occasions as well.

11 A. That's correct.

12 Q. A couple of things I have to ask you,
13 doctor, to start with.

14 Now Dave Meyerson came to your office.
15 And every time we send somebody up here for a
16 defense exam somebody comes from my office, and
17 as you know, we time the examinations, we time
18 the treatments.

19 Now, you're a professional. I know it
20 doesn't take you all day to do an exam. How
21 long would you say that your examination and
22 history in this case, your complete
23 examination, complete history took in time?

24 A Sure. We began at 3:35 and we ended at
25 4:15, and there is a period between the history

1 and the physical where we permit the patient to
2 get undressed, and occasionally I will **see**
3 somebody while that's happening, **so** that period
4 of time can be subtracted from that.

5 Q. All right. Now, doctor, you're a
6 professional. We have been through this
7 before. A good orthopedic examination can be
8 done within 20 minutes, with a history, within
9 20 minutes to half hour, is that fair?

10 A. Well, a **good** one, I doubt it can be done
11 in 20 minutes. At least, I don't think I can
12 do one in 20 minutes. Perhaps other people
13 can.

14 But I think sometimes a history such as
15 was taken from this patient alone would take at
16 least 20 minutes.

17 Q. Okay. So at any rate, we know that from
18 the time she came in until **it** was over was 40
19 minutes.

20 A. Sure.

21 Q. During which time you may have done
22 something else. That's why I am saying a half
23 hour.

24 A. Sure.

25 Q. The other question I **ask** and I think the

1 jury should know is that this accident
2 happened, do you recall the date, it was
3 December 8, 1984.

4 A. Right.

5 Q. And you didn't see her for three and a
6 half years.

7 A. That's correct.

8 Q. And that's the only time you ever saw her
9 and that time was roughly a half hour, 35
10 minutes you saw her and took the history; is
11 that fair?

12 A. That's correct.

13 Q. Okay. Do you have any reason or know why
14 you never had occasion to do a defense exam on
15 this patient before the three and a half years?

16 A. There is no reason. I just never was
17 asked to see this patient.

18 Q. And by whom were you asked to see this
19 patient?

20 A. By --

21 Q. Mr. Djordjevic?

22 A. Right. Exactly.

23 Q. Now, how many of these -- let me ask
24 this, doctor. All doctors are entitled to a
25 reasonable charge for the time away from their

1 practice. I have to ask this as all doctors
2 are asked. What is your cost for a defense
3 examination and a medical report such as this,
4 sir?

5 A. Yes, \$125 for the examination and \$150
6 for the report.

7 Q. Okay. And when you're called to testify,
8 as you are here -- and usually this is the
9 purpose you're called in to a case for, am I
10 correct, sir?

11 A. Yes, that's right.

12 Q. What is your charge -- now we are near
13 your office taking this deposition today on
14 Tuesday, three days before the trial. What is
15 your charge to be here and give your testimony?

16 A. \$500 for the first hour and \$150 for
17 every half hour thereafter.

18 Q. All right, sir.

19 So if I get this straight, you charge
20 \$120 for a defense exam, \$150 for the report,
21 \$500 for the first hour of testimony, if it is
22 on videotape, and \$150 for each half hour
23 thereafter?

24 A. Yes, that's correct.

25 Q. Okay. Now, how many of these defense

1 exams do you do say a month, sir?

2 A. Well, I really can't answer that with any
3 degree of certainty, but I would say that in
4 the average month anywhere from two to maybe as
5 many as six a month.

6 Q. All right.

7 A. And it might be as many as eight a month.

8 Q. Okay.

9 A. It depends upon the time that I am in the
10 city.

11 Q. All right, sir.

12 Now, you may do as many as eight of these
13 defense exams such as this in a month.

14 A. Sure.

15 Q. Is your opinion. I'm really curious then
16 and this is a puzzle to me and I don't know
17 what, how to pose it so that the jury can
18 understand it. But in the past two months,
19 from April, pardon me, from March 24 until the
20 present -- March 24 is the last week in March,
21 so from March 24 to April 24, to May 24 and
22 another two weeks to now, that's like March to
23 April to May, that's like two months and a week
24 -- and in that period of time, sir, I see that
25 defense medical exams where our office

1 represented the plaintiff, you have done a
2 defense exam, one, two, three, four, five, six,
3 seven, eight, nine times that we have a record
4 of.

5 I happen to have the names, Eve Duncan,
6 Ruth Trexler, Geraldine Hatina, Linda Ofasa,
7 Meg Miller, John Scelerno, Robert Taylor,
8 Gerald Tressler and Elizabeth Taylor. I have
9 the dates April 25, May 5, May 12, June 9,
10 March 24, June 9, April 6, April 6 and April
11 10.

12 And to me that is one, two, three, four,
13 five, six, seven, eight, nine, nine defense
14 medicals in two months and two weeks.

15 Now, there happens to be about five
16 thousand lawyers in this county and it must be
17 that when they see my name they automatically
18 send them to you for a defense medical,
19 otherwise this doesn't make sense.

20 All of these defense exams were done in a
21 two month period this year 1988 from March 24
22 to June 9.

23 A. What are you saying?

24 MR. DJORDJEVIG: I will
25 object. And move to strike.



1 MR. SHANE: You can move to
2 strike.

3 MR. DJORDJEVIC: I am going
4 to do that, Mike, for the record.

5 A. I want to know what are we talking
6 about?

7 Q. Well, I want to know --

8 A. What did you ask --let me go back.

9 Q. I will restate --

10 A. What did you ask me when you asked me the
11 first question?

12 Q. All right. Doctor, did you do nine
13 defense --

14 A. That's not what you asked me. You asked
15 me how many, you asked me how many defense
16 medicals and I assumed you're talking about
17 depositions. Of those people that you mention,
18 this is the first and the only defense medical
19 in that period of time.

20 Q. All right, doctor.

21 A. Now, if you are asking about how many
22 examinations I do --

23 Q. Yeah.

24 A. -- in my office, that's a different
25 story. I thought you were talking about



1 depositions.

2 Q. That's why I am asking.

3 A Well, let's clarify the whole matter. If
4 you are asking about how many defense medicals
5 I do that's one thing.

6 Q All right.

7 A If you are asking about how many
8 depositions I do, we are talking about a
9 different things.

10 Q Okay. How many defense medicals do you
11 do?

12 A I see on an average -- I am here in my
13 office sometimes four a weeks and sometimes
14 five times a week and I may see at least one or
15 two of these every day.

16 Q Okay.

17 A That I am here in the office.
18 Depositions are not a frequent occurrence. I
19 thought that that's what you were asking
20 before.

21 Q So what I gather is you do about 30
22 defense medicals a month roughly.

23 A Oh, I would say without question, that's
24 right, yes.

25 Q And I would still like to understand and

1 it is a puzzle to me maybe **you** can answer it.
2 Do you know how come you have done nine defense
3 medicals and you have been hired by Walter
4 Machinga in the Tressler case for a defense
5 medical, do you remember that one?

6 A. Sure.

7 Q. Thomas Brunn in the Scelerno case, you
8 did a defense medical?

9 A. Yes.

10 Q. Ruth Taylor, Roger Taylor, do you recall
11 that?

12 A. I will take your word for it. I don't
13 remember that but that's all right.

14 Q. I will give you the dates.

15 A. I have no objections to that. Go ahead.

16 Q. Roger Taylor.

17 A. I don't remember everybody's name.

18 Q. I am trying to figure out why you have
19 examined -- and I don't know if you have the
20 answer, why you have been called upon to do a
21 defense exam on nine of my clients in the last
22 two months. Do you have any idea?

23 A. Certainly. Obviously the attorneys like
24 to have a good, honest report from a good,
25 honest doctor and that's why they send them to

1 me.

2 Q. I see. And out of 30 a month --

3 A. Yes.

4 Q. And that's a two month period?

5 A. Now we are going to figure out how much
6 money I make.

7 Q. No, not how much money you make. Just
8 how come with 5000 lawyers I have one fourth of
9 your entire defense practice.

10 A. What did you have before that, Mr.
11 Shane? Did you have all the numbers in three
12 months periods prior to that three month period
13 that you're talking about?

14 Q. I am asking you why have you appeared
15 nine times in two months on defense exams?

16 A. I don't have any answer to that question.

17 Q. Okay. Well, neither do I, doctor, that's
18 why I am curious why all these defense lawyers
19 are using you. Okay.

20 Doctor, let's go on.

21 In this particular case, at any rate,
22 let's get to this one here. You were called to
23 testify three and a half years later after an
24 accident about this particular woman. And
25 there is a couple things I would like to

1 clarify that **you** have been asked. I'm not
2 going to take a long time.

3 Now, you said, and I want to make this
4 very clear, that in your opinion there was an
5 injury at the time of the accident to this
6 woman?

7 A. Yes, that's right.

8 Q. You said that you reviewed her X-rays at
9 the time of the accident?

10 A. I did not say that.

11 Q. You didn't?

12 A. I said that I reviewed the reports of the
13 X-rays.

14 Q. All right. Doctor, are you basing -- is
15 it possible for you to form your opinion that
16 you have testified to in this case without
17 basing your opinion on the opinions stated in
18 those X-ray reports?

19 A. I didn't -- the X-ray reports, I said
20 that the X-ray report did reveal that the
21 patient had a reversal lordotic curve and also
22 some degenerative changes in her cervical
23 spine.

24 Q. Well, that wasn't exactly the question I
25 asked. Doctor, the question I asked in all

1 fairness to you is you are being brought into
2 this case three and a half years later.

3 Would it be possible for you to form an
4 opinion as, that you have testified to here if
5 you hadn't been able to review the X-rays,
6 reports, not the X-rays, the reports that were
7 given to you to review, reports of X-rays she
8 had had taken?

9 A. Certainly.

10 Q. Okay. In part, is your testimony based
11 upon those X-ray reports you read?

12 A. No.

13 Q. Okay.

14 A. Only --

15 Q. Go ahead.

16 A. Only on the basis that the CT scan which
17 is a very, very sophisticated examination
18 supports the objective findings of no evidence
19 for a herniated disk.

20 Q. Did you interpret the CAT scan or CT scan
21 yourself, doctor?

22 A. No, I read the report.

23 Q. Well, that's what I am saying.

24 A. Yes.

25 Q. In effect, then, part of your testimony

1 here that you're giving today is based upon the
2 report that you read from the CAT scan, and
3 isn't it true, if I am correct in what you're
4 saying that that is a parcel and part of the
5 basis of your entire testimony?

6 A. Oh, no, no.

7 Q. You mean it has no significance?

8 A. No, no, no, Mr. Shane, Mr. Shane, let's
9 put things in proper perspective.

10 Q. I am happy to, sir.

11 A. So that the judge and the jury and
12 everybody else knows what we are talking about.

13 Q. Uh-huh.

14 A. A CT scan is a specialized examination.
15 Not all radiologists, board certified
16 radiologists are qualified to read a CT scan,
17 and those who are render a report. All
18 orthopedic surgeons and neurosurgeons and
19 neurologists, very few are qualified to read CT
20 scans or MRI's, and if one treats a patient in
21 whom he requests a CT scan, and one is obtained
22 and he receives a report from that radiologist,
23 it's fair then if I am the treating or the
24 operating surgeon to review the actual scan
25 with that doctor.



1 I trust the fact that the man who read
2 this is a qualified board certified radiologist
3 who specializes in CT scan interpretations and
4 certainly I would agree with his
5 interpretation.

6 Q. I have no quarrel with that, doctor. The
7 only thing I am asking you and it's a simple
8 question. I think it can be answered. Is his
9 interpretation, the report you read?

10 A. Absolutely.

11 Q. Is that part of your testimony? Do you
12 base part of your testimony upon his
13 interpretation?

14 A. No. It supports the fact that I have
15 found nothing wrong with this patient's
16 cervical spine and supports the fact that this
17 patient in reality does not have anything other
18 than the preexisting arthritis and a central
19 two millimeter bulge which I said is of no
20 significance.

21 Q. All right. Well, doctor, then I just
22 want to clear this up so I get this up, because
23 I have a conflict here that I can't bring
24 together.

25 You've said that there was no disk

1 herniation here.

2 A. That's correct.

3 Q. And of that you're sure?

4 A. Yes.

5 Q. All right, then, doctor, I'm handing you
6 from Sachs, Ross and Associates, are you
7 familiar with their firm?

8 A. Yes.

9 Q. Are they radiologists like you're telling
10 us?

11 A. Yes.

12 Q. Are they guys you would trust their
13 interpretation?

14 A. I certainly would.

15 Q. Okay, doctor, I am handing you a report
16 which was taken at the request of Dr.
17 Nemunaitis and it was taken on December 29,
18 1987 and I would like you to read the
19 impression.

20 MR. DJORDJEVIC: May I see
21 it first?

22 MR. SHANE: Sure, you can
23 both see it.

24 MR. DJORDJEVIC: Doctor --

25 THE WITNESS: I just want to

1 get out the CT scan that I have.

2 MR. DJORDJEVIC: All right.

3 MR. SHANE: Take your time,
4 doctor, as much time as you need.

5 THE WITNESS: This is the
6 same exam that I have. I don't need your
7 copy. I have the same exam in front of me.

8 Q. All right, doctor, would you read what it
9 says on the third last line that I have
10 underlined, the fourth last line?

11 A. Well, I don't have your underlining, I am
12 sorry.

13 Q. Okay, sir. There you are.

14 A. Well, I would rather read the whole.

15 Q. Read the whole thing.

16 A. Sure. I would rather read the
17 impression.

18 Q. Go ahead, sir.

19 A. The impression by the radiologist is that
20 the inner spaces at the levels of the C5 and 6
21 and C6 and 7 are severely narrowed. **Up** to two
22 millimeters of central and bilateral
23 osteophytic ridging is present at each of these
24 levels causing slight left foraminal
25 encroachment at the levels of C5 and 6 and

1 moderate bilateral foraminal encroachment at
2 the levels of C6-7. At the levels C5-6 there
3 also appears to be up to, up to two millimeters
4 of irregular central and left lateral diskal
5 herniation as demonstrated on life size axle
6 images 15 to 16 and life size sagittal
7 reconstructive images nine. No other
8 abnormalities are noted.

9 Q. Okay. Now, just tell me if I am reading
10 the words correctly.

11 At the level of five and six cervical,
12 that means your lower neck just above the one
13 bone that protrudes, right?

14 A. Correct.

15 Q. At the level of five and six, there
16 appears to be up to two millimeters of
17 irregular central and left lateral diskal
18 herniation.

19 A. Uh-huh.

20 Q. Now, those were taken on life size axial
21 images. But it says that left lateral diskal
22 herniation, does it not?

23 A. Right.

24 Q. Would you dispute what Dr. Ross has
25 written where he says there is disk herniation?

1 A. I'm not going to dispute it at all. I
2 can explain what he is saying but I'm not
3 disputing a thing he says.

4 Q. Did you see this CAT scan or are you
5 relying on what Dr. Ross will say?

6 A. Absolutely.

7 Q. Okay. You will accept what Dr. Ross will
8 say?

9 A. I certainly will.

10 Q. Thank you.

11 Now, let's get back to this particular
12 patient.

13 You heard that she was seen at Cleveland
14 Clinic?

15 A. Yes.

16 Q. And that the doctor there, Dr. Wilke,
17 made a diagnosis of rheumatoid arthritis and
18 muscular fibrositis. Then she told you that
19 they had not found anything, but that's his
20 diagnosis, am I correct, sir?

21 A. That's correct.

22 Q. You have had a chance to review that?

23 A. Yes.

24 Q. Now you said there was no rheumatoid
25 arthritis?

1 A. I didn't say that, that she said that.
2 It was under quotations. It was what the
3 patient said, not what I said.

4 Q. I see. Okay. You don't dispute what the
5 doctors from Cleveland Clinic say?

6 A. Absolutely not.

7 Q. Thank you.

8 NOW, you have had a chance to read over
9 Dr. Nemunaitis' report, have you not, sir?

10 A. Yes.

11 Q. And you and he both talk about foraminal
12 encroachment?

13 A. Yes.

14 Q. All right. As I understand it, doctor --
15 and I'm not a doctor so I have to ask your
16 guidance and help -- from our spinal cord come
17 out nerves through spaces between the bones in
18 the spine, am I correct?

19 A. Yes, that's correct.

20 Q. Those spaces are known as I think you
21 told me once before as foramen, and from the
22 spinal cord which is your central nerve going
23 down your back come out these various nerves
24 through these holes?

25 A. Uh-huh.

1 Q. The thing that keep these bones and the
2 spine separate are the disks which are -- again
3 I am quoting you and I remember your testimony
4 in another case -- which is like a fibro
5 cartilage or tissue with a soft inside,
6 palposis you described it as.

7 A. Sure.

8 Q. That the difference is that in the full
9 disk herniation, the inside of that disk
10 somehow or other leaks out like a jelly
11 doughnut, I think it was described, and the
12 disk flattens, am I correct?

13 A. Uh-huh.

14 Q. When the disk flattens, the vertebra come
15 closer together.

16 A. That's right.

17 Q. When the vertebra come closer together,
18 we have often times what is called a pinched
19 nerve or nerve root irritation, am I correct?

20 A. No, you are not correct.

21 Q. Then please correct me, sir.

22 A. Sure, I will be glad to.

23 Q. I will be quiet and I will let you
24 answer.

25 A. Good. You described accurately in

1 'tautological terms that when the disks become
2 degenerated they lose height. And as they lose
3 height, the vertebra come closer together. As
4 the disks degenerate, it doesn't mean that the
5 disk are being pushed out, that means that they
6 disappear, allowing the vertebra to come closer
7 together. Foramenal encroachment is not a
8 problem unless two things happen: One,
9 arthritic changes, or B, the disk herniates
10 into and againsts the nerve roots which exit
11 the spinal cord as I intimated.

12 The fact that there is lateral disk
13 diskal herniation without nerve root
14 impingement doesn't mean one iota of clinical
15 significance. Disk herniation with nerve root
16 impingement is diagnostic. Nowhere in this
17 report does Dr Thompson, not Dr. Ross, who made
18 this diagnosis, nowhere in this does Dr
19 Thompson make any reference to the proximity of
20 the hernia, herniation of disk material and the
21 nerve root. So lateral or axial bulging of the
22 disk may or may not be of any significance at
23 all. Generally in a 36 year old person not of
24 major consequence.
25 Q. Doctor, have you ever seen somebody 30

1 years **old** with a herniated **disk**?

2 A. Oh, absolutely.

3 Q. With pain down their arms and down their
4 legs?

5 A. But there is no objective findings.

6 Q. Wait, I am asking you doctor, have you
7 ever seen this?

8 A. I have, without question.

9 Q. Sure. And doctor, what **we** are saying now
10 is you said that she had degenerative
11 arthritis, but you found no evidence of disk
12 herniation at the time of the accident, am I
13 correct?

14 A. No, I didn't say that at all.

15 Q. You did not say that?

16 A. I did not say that.

17 Q. All right. Then let me --

18 A. I didn't say a thing about herniation of
19 disk material at any point in time. I said
20 that the CT scan does not support any evidences
21 for a herniated disk in this particular
22 patient.

23 Q. Doctor, I am sorry, I thought and I'll
24 have a chance to review it, I thought you said
25 that the material you read or the reports you



1 read at the time of the accident did not reveal
2 any **disk** herniation.

3 A. Nowhere in my testimony -- if you
4 scrutinize what has been -- the court reporter
5 has, nowhere in my testimony will you find that
6 statement.

7 Q. Well, I hope not, doctor.

8 A. I do too.

9 O. But, doctor, you do not dispute what's
10 written there by the Rosses by the firm of
11 Ross, Sachs or Sachs, Ross?

12 A. I don't dispute anything about the CT
13 scan.

14 Q. All right, now doctor, do you dispute
15 then what Dr. Nemunaitis says about this
16 patient's diagnosis?

17 A. I do dispute his diagnosis. I don't
18 agree with it at all.

19 Q. Okay. Now, that's in this case you
20 dispute what he said. He has talked about the
21 background this woman has. He has talked about
22 foramenal encroachment. You you have agreed
23 that she does have that?

24 A. Yes, that's correct, but that's not his
25 diagnosis,

1 Q. It is in his report.

2 A. It is not his diagnosis. You asked if I
3 agree with his diagnosis and I said I do not.

4 Q. What is his diagnosis, then, doctor?

5 A. He points to a thoracic outlet syndrome
6 or brachial plexus radiculopathy which I don't
7 agree with.

8 Q. Would you tell us what a brachial plexus
9 is?

10 A. Sure.

11 Q. Or a thoracic outlet syndrome and how it
12 is caused?

13 A. Sure. The brachial plexus is a
14 combination, a union of the nerves which come
15 out of the neck, the cervical spine. It's a
16 combination of C5, 6, 7, 8 and T-1 nerve roots
17 which make up divisions, which make up cords
18 and finally nerve roots and they ultimately end
19 up in the arm as three major nerves.

20 The thoracic outlet syndrome is a
21 condition that may occur as a result of
22 impingement of the brachial plexus in its exit
23 from the, after it leaves the neck, getting
24 between two very important muscles in the neck
25 called the scalene muscles. It can result in

1 an impingement of the brachial artery of the
2 major vein in the neck or of the sympathetic
3 nervous system.

4 Q. Okay. What parts of the body do those
5 things involve themselves in?

6 A. The neck. The neck and shoulders.

7 Q. Fine. And is it due to a compression?

8 A. No. It is not due to a compression.

9 Q. Okay.

10 A. It's--

11 Q. Pardon me, go ahead if you want to
12 answer.

13 A. If we are talking about a compression in
14 the cervical spine, we are talking about a
15 different entity. If we talk about a
16 compression in the region of the thoracic
17 outlet, that's soft tissue and that's in the
18 neighborhood of low down in the neck near the
19 shoulder.

20 Q. All right. Do you know Dr. Howard
21 Tucker?

22 A. I do, very well.

23 Q. Do you send him patients?

24 A. Infrequently.

25 Q. Does he send you patients?

1 A. Very infrequently.

2 Q. Do you know that he is board certified?

3 A. I know Dr. Tucker very well.

4 Q. Would you say he is a good neurologist?

5 A. I think that of the neurologists that I
6 know, Dr. Tucker is among the good ones, yes.

7 Q. Are you on the staff of the same
8 hospitals?

9 A. Yes.

10 Q. Do you know if he is board certified?

11 A. I think he probably is. I think he
12 probably also is going to be board certified as
13 an attorney one of these days.

14 Q. Ne would like to.

15 I don't know if you want to come along
16 for the program. I don't know which one has
17 more experience in this stuff, frankly, more
18 than we do.

19 Do you know what Dr. Tucker wrote?

20 A. Dr. Tucker agreed with the diagnosis of a
21 thoracic outlet syndrome and he also suggested,
22 and I agree with the diagnosis, of cervical
23 myofascitis.

24 Q. All right.

25 A. Now, if I left something out, I'm not

1 reading it.

2 Q. Do you agree then with what Dr. Tucker
3 said?

4 A. Not with the thoracic outlet syndrome,
5 obviously, but I do agree with the cervical
6 myofascitis at the time that she was injured.

7 Q. Okay. So so far we have here two doctors
8 who you disagree with who have treated this
9 patient?

10 A. Two doctors who made the same diagnosis
11 of thoracic outlet syndrome. I'm not disputing
12 the doctors, I'm disputing their diagnosis.

13 Q. Well, that's all I am saying.

14 A. I'm --

15 Q. You disagree with them that's all I am
16 saying.

17 A. I disagree with their diagnosis. I don't
18 disagree with them as doctors.

19 Q. Oh, no, no, no. I am sure you have
20 respect for them of doctors. You are just
21 saying you have a different opinion?

22 A. Exactly.

23 Q. Whatever basis that is, you have a
24 different opinion. I have no quarrel with
25 that.

1 Now, doctor, of the only thing I finds
2 then is in these other cases, in all these
3 cases that I have talked to you about, are you
4 familiar with Dr. Kaufman?

5 A. Yes, I know Dr. Kaufman.

6 Q. Is he an orthopedic surgeon?

7 A. Yes, Dr. Kaufman is an orthopedic surgeon
8 and he is a good orthopedic surgeon.

9 Q. Are you familiar with the Roger Taylor
10 and Elizabeth Taylor case that you did an exam
11 on?

12 A. I don't have that in front of me.

13 Q. I don't want to hide it.

14 THE WITNESS: We are not
15 talking about that case now, are we?

16 MR. DJORDJEVIC: No, we are
17 not.

18 THE WITNESS: Do we have to
19 discuss a different case?

20 MR. DJORDJEVIC: I want to
21 here what the question is, doctor, but I am
22 sure it will be objected to.

23 Q. Doctor, did you disagree with their
24 finding in that case?

25 A. I don't have that information in front of

1 me.

2 Q. That's why I am going to **offer it** to
3 you. And if **it has** anything to do with this
4 case I would be glad to review **it**. I don't
5 believe that I should.

6 MR. DJORDJEVIC: I am going
7 to object.

8 MR. SHANE: You can object
9 as much as you wish.

10 MR. DJORDJEVIC: I
11 understand that, Mike, and I am going to.

12 Q. Doctor, all I am saying is in that case,
13 do you recall, is this **your** medical report? I
14 am going to give **it** to you.

15 MR. DJORDJEVIC: May I see
16 it first?

17 MR. SHANE: Sure, I will
18 give you that one and I will give you this one
19 and I will give you all that I can find there,
20 this one and I will give you this one.

21 MR. DJORDJEVIC: We might as
22 well go off the record if we are going to read
23 these.

24 MR. SHANE: Well, take your
25 time. If he wants to go off the record, let



1 him go **off** the record.

2 MR. HENSCHER: We are off
3 the record.

4 (Discussion off the record.)

5 MR. SHANE: This is still
6 cross-examination. I just to want show I have
7 negative findings on all these cases and I am
8 telling you that's what it is.

9 MR. DJORDJEVIC: What is
10 good for the goose is good for the gander.

11 MR. SHANE: You can do
12 anything you want.

13 MR. DJORDJEVIC: I am going
14 to note an objection for cross-examination of
15 this witness with prior medical reports
16 provided to Mr. Shane. I don't see any
17 relevancy in this case, but go ahead.

18 Q. Doctor, I am back on the record now. If
19 you want to read the reports, I have seen
20 reports here where Dr. Zaas, who is also an
21 orthopedic surgeon right down the hall here?

22 A. Yes, and I will absolutely find that
23 you're out of order in telling me that I have
24 disagreed with Dr. Zaas. It would be very,
25 very infrequent that I would find any objection

1 to Dr. Zaas' reports or his examinations.

2 Q. Well, would you like to compare them,
3 doctor? I would be happy --

4 A. No. If we want to talk about doctors
5 whom I will disagree with on the basis of
6 diagnoses, fine, but Dr. Zaas is one doctor
7 that I would find very, very infrequently a
8 difference between mine or his opinion.

9 Q. How about Dr. Raufman?

10 A. Dr. Kaufman is a good orthopedic surgeon
11 and I would say that in the most part I may
12 disagree with his treatments, but not probably
13 his diagnosis.

14 Q. Okay. Well, doctor, I have mentioned
15 these cases by name and in all these cases, all
16 I want to ask is one thing so we can conclude
17 this.

18 Do you recall seeing -- these were all
19 within the last two months. Eve Ducan, Ruth
20 Trexler, Geraldine Hatina, Linda Ofasa, Meg
21 Miller, John Scelerno, Robert Taylor, Elizabeth
22 Taylor and Gerald Tressler. These are all
23 defense exams you did.

24 MR. DJORDJEVIC: Asked and
25 answered. Objection. Move to strike.



1 Q. Are these defense exams you have done in
2 the last two months?

3 MR. DJORDJEVIC: Same
4 objection.

5 A. Mr. Shane, if you were to tell me that
6 you could recall everything that you have done
7 in the last three months on different cases and
8 can recall all the incidents without reviewing
9 your notes, I would have to say that you're a
10 genius, and I cannot recall without reviewing
11 those records, and even if I did that a week
12 ago, I probably would have to review my notes
13 in order to recall everything that I wrote
14 about those particular patients.

15 Q. Well, doctor, I am willing to go off the
16 record and let you review your notes on those
17 files. I will just pick those four.

18 A. Mr. Shane I am going to say it again. If
19 it has anything to do with this particular
20 case, I am glad to do that. If it has nothing
21 to do with this particular case, I think we are
22 wasting our time.

23 Q. I don't think so, doctor, but I am not --
24 MR. SHANE: If you will
25 withdraw your objection, I will not ask further

1 questions or ask him to review the notes,
2 otherwise I will ask him to review the notes.

3 MR. DJORDJEVIC: I am going
4 to object to you --

5 MR. SHANE: Well then, I am
6 going to ask him to review the notes.

7 MR. DJORDJEVIC: You can ask
8 him. I don't know if he is going to review the
9 notes for you.

10 A. I will not review any case other than
11 what we are discussing tonight.

12 Q. Well, under the circumstances I can't see
13 that we can conclude this deposition because I
14 would want to be able to fulfill my opportunity
15 to cross-examine extensively here and I think
16 the relationship of the doctor to his
17 examinations are a very important facet of this
18 thing.

19 MR. DJORDJEVIC: He has told
20 you that he has no recollection of these
21 individuals, I don't think he is under --

22 MR. SHANE: He has examined
23 them and I certainly am allowed to go into what
24 his practice consists of and what --

25 THE WITNESS: May I make a --



1 **MR. DJORDJEVIC:** I don't
2 think you are allowed to do that at this point
3 in the juncture. Had you obtained a discovery
4 deposition from the doctor. Clearly--

5 **MR. SHANE:** This is your
6 deposition, not mine.

7 **MR. DJORDJEVIC:** That's
8 exactly the point that I am making. If you had
9 taken a discovery deposition you can go into
10 anything that is reasonably calculated to lead
11 to reasonable evidence.

12 **MR. SHANE:** I am not going
13 to bother a doctor twice. First of all, I am
14 cross-examining here on the basis of you are
15 going to bring him into trial. On that basis I
16 think I have every right to extensive
17 cross-examination.

18 **MR. DJORDJEVIC:** You have
19 the right to an extensive cross-examination but
20 it still has to be germane to the issues at bar
21 and this correction examination is not.

22 **MR. SHANE:** Well, you may
23 not think so, sir. I reserve that right to
24 recall the doctor if the court does allow that
25 in.

1 MR. DJORDJEVIC: Well, we
2 will let the court make the determination.

3 MR. SHANE: Okay.

4 MR. DJORDJEVIC: And then I
5 am satisfied to do that.

6 MR. SHANE: Then on that
7 basis we will then determine whether it is
8 necessary to continue it. Rather than take
9 more of the doctor's time at this time, I will
10 now go on with my questioning subject to that
11 one caveat, that if the court allows me to get
12 those answers relating to other cases where he
13 has done defense exams, I will be allowed to do
14 that before this trial is concluded.

15 MR. DJORDJEVIC: So that the
16 record is clear, then the ruling that you want
17 from the court will be relative to whether or
18 not you can examine this doctor at perpetuation
19 testimony relative to previous cases in which
20 he has done defense medicals; is that it?

21 MR. SHANE: And his findings
22 and opinions since they are all public record
23 and they are all with my office.

24 MR. DJORDJEVIC: Fine.

25 MR. SHANE: Okay?

1 THE WITNESS: May I make a
2 statement?

3 MR. SHANE: Well--

4 THE WITNESS: I would like
5 to make a statement. I would like to make a
6 statement. I would be willing to appear in
7 court if the judge determines that his, that
8 calling in cases other than the one that we are
9 discussing tonight is relevant.

10 MR. DJORDJEVIC: Very good,
11 doctor.

12 MR. SHANE: Well, that's all
13 we are asking about, here, all right.

14 I would like now to clarify and get back
15 on the record, keeping that as a separate aside
16 for the benefit of the court and would you
17 please mark the pages accordingly.

18 Q. Now, doctor, have you seen anything else
19 in this case besides Dr. Tucker's report which
20 you disagree with on thoracic outlet syndrome,
21 Dr. Nemunaitis' report where he **says** thoracic
22 outlet syndrome, and was there any other
23 medical report, X-ray or CAT scan that you
24 viewed?

25 A. Are you asking me?

1 Q. Yes. Was there anything beyond Dr.
2 Tucker's report?

3 A. All the reports, all the reports that I
4 have reviewed are right here in my folder and I
5 will --

6 MR. SHANE: Let's go off the
7 record and let me look at the folder. That's
8 fastest.

9 MR. HENSCHER: We are off
10 the record.

11 (Pause.)

12 MR. SHANE: Back on the
13 record.

14 MR. HENSCHER: We are back
15 on the record.

16 Q All right, doctor, I see that there is
17 only two pages here that I see writing on. Are
18 these, these are from your personal notes?

19 MR. DJORDJEVIC: Well, let
20 him answer the other question before you go
21 on

22 MR. SHANE: Please do. Well,
23 they are from his notes.

24 THE WITNESS: These are my
25 notes, both sides of the history and physical



1 examination.

2 Q. Okay. Now, is that your -- here is your
3 whole file, sir. Because I do want to ask it,
4 so keep these separate, if you would. Are
5 these your total notes for the case?

6 A. May I have mine?

7 Q. Here they are, sir. Your own personal
8 notes and notations?

9 A. These are my own total personal notes.

10 Q. Okay.

11 A. Yes.

12 Q. And that is the only part in your writing
13 those two sheets over there, am I correct? The
14 green one?

15 A. Yes, that is correct.

16 Q. Do you mind if we have a photostat made
17 and mark them as an exhibit?

18 A. No, I would be glad to give it to you. I
19 will photostat it for you.

20 Q. Fine. If you will do that and give it to
21 Mr. Djordjevic.

22 MR. DJORDJEVIC: Djordjevic.

23 MR. SHANE: Djordjevic. I
24 would be happen to accept copies of it. May I
25 have it back.

1 Q. The other thing I would like to ask
2 refers to Dr. Moshkovich's findings which were
3 very early in this accident,

4 A. Yes.

5 Q. And Dr. Hurwitz' findings.

6 A. Yes.

7 Q. And I think essentially from what I have
8 gotten you don't dispute that this woman had
9 injuries to her head, her neck, her back, her
10 chest and --

11 A I have no, I have no qualms about the
12 injuries that were reported by those doctors.

13 O Okay. And do you have there, also, the
14 hospital records, sir?

15 A The emergency room record; is that what
16 you're talking about?

17 Q Yes, sir.

18 A Yes. Yes, I do.

19 Q All right. Would you -- do you have any
20 quarrel with the findings there or the
21 diagnosis made in **the** emergency room, sir?

22 A No, none whatsoever.

23 O Would you read the lower right-hand
24 corner of the diagnosis there?

25 A Sure. Generalized myositis and cervical

1 strain, myalgia, contusion of the left breast.

2 Q. Myalgia is what?

3 A. Muscle pain.

4 Q. Okay. Now, was medication given at the
5 hospital?

6 A. Norgesic Forte she was given 30 tablets
7 and I would think that this is probably -- I
8 don't know what this is. If you can read it.

9 Q. Well, no, I won't argue, doctor.

10 A. I don't know what that is.

11 Q. By the time we get those photostats, who
12 can read them, nobody.

13 A. Well, it is something that she is to take
14 every five hours for pain only. And that could
15 be something like Percodan or Percocet,
16 something of that nature. I can't read it.

17 Q. All right. Now, doctor, I have two more
18 questions. I don't want to run **up** this tab any
19 more.

20 MR. DJORDJEVIC: Objection.
21 Move to strike.

22 Q. All right, doctor, for obvious reasons,
23 I'm not going to stretch this on endlessly.
24 There is no argument with the left lateral
25 diskal herniation found by Sachs, Ross? You

1 don't dispute that. I just want that word --

2 A. Mr. Shane, I explained before that this
3 is an interpretation of a radiologist who
4 examined her cervical spine and pointed out
5 that she has diskal herniation but no evidence
6 whatsoever of nerve root impingement.

7 Q. Thank you.

8 A. That's the difference.

9 Q. Does it say -- will you tell me here and
10 I am reading it with you, you see?

11 A. Yeah.

12 Q. Where we are saying, I don't see where
13 you say, see no evidence of nerve root
14 impingement?

15 A. I said, I said that the man is describing
16 that there is a bulging aspect of the disk. He
17 makes no mention whether or not this is in
18 proximity of the nerve root at all. That's the
19 critical point.

20 Q. How far, doctor, is the disk from the
21 foramen that the nerve exits from?

22 A. Well, Mr. Shane, the disk is a 360 degree
23 circle and the foramen are two little tiny
24 things on each side.

25 Q. That the nerves come out of?

1 A. That the nerves come out of, right.

2 Q. So how far away is the hole that the

3 nerve comes out from the edge of the disk right

4 at that point, sir?

5 A. I can't tell you that accurately, but I

6 would say that -- if you will permit me.

7 Q. Go ahead, sir. Go on.

8 A. I will say in the upper cervical spine,

9 as much as ten to 12 millimeters of distance

10 between the nerve root and the side of the

11 disk. In the lower cervical region probably

12 anywhere in the neighborhood of maybe six to

13 eight millimeters. It's a little bit bigger at

14 the top than at the bottom.

15 Q. All right, doctor, in inches, can you

16 translate six to eight millimeters in inches?

17 A. Why translate it in inches?

18 Q. How many millimeters in an inch?

19 A. Ten, 25 millimeters to an inch but --

20 Q. So it was what, about an eighth of an

21 inch away? I am just asking.

22 A. You are making a mimic mockery of this

23 whole thing.

24 Q. No, I am not, doctor. I am trying to

25 figure out--

1 A. Yes, you are. You are because all you
2 are trying--

3 Q. I am trying to make a mockery?

4 A. I am making it quite simple that in the
5 upper -- that if there was any evidences on
6 this report of any impingement of the nerve
7 roots, it would be significant. That is the
8 critical issue.

9 Q. Again, you were relying then without any
10 question on this report, am I correct, Sachs,
11 Ross, you don't dispute it?

12 A. I do not dispute it.

13 Q. That's the question I asked, doctor.

14 A. I do not dispute it.

15 Q. Isn't that the question I asked you
16 before?

17 A. No, it is not.

18 Q. I said did you dispute those words?

19 A. You did not ask me that. I do not
20 dispute the interpretation of the radiologist
21 who is adequately and perfectly trained to read
22 CT scans and I explained before not all
23 radiologists are capable of reading CT scans
24 and not all doctors who treat cervical problems
25 are capable of interpreting CT scans.

1 Q. Excellent defense for the position,
2 doctor.

3 MR. SHANE: I have no
4 further questions.

5 THE WITNESS: Thank you.

6 EXAMINATION OF MALCOLM BRAHMS, M.D.

7 BY-MR. DJKORDJEVIC:

8 Q. Doctor, if I might I am going to have a
9 couple questions and I hope I can clarify a
10 couple things here.

11 First of all you used some terms in
12 response to questions posed to you by Mr.
13 Shane. We have talked about and thrown about
14 the expression thoracic outlet syndrome.

15 Will you take a few minutes and explain
16 what that means to the jury, number one and
17 number two, would you explain how that syndrome
18 is diagnosed if it exists?

19 A. Sure.

20 MR. SHANE: Doctor, in all
21 fairness, have you explained that before or do
22 you want to do it again? I have no objection
23 either way.

24 THE WITNESS: At this point
25 in time I would like to take the opportunity to



1 explain it.

2 MR. SHANE: Go right ahead,
3 sir.

4 A. A thoracic outlet syndrome is a group of
5 subjective symptoms which manifest themselves
6 in the patient in one of several ways. If
7 there is, if the thoracic outlet diagnosis is
8 made, if it impinges, if it causes compression
9 of a major vein in the neck going into the arm,
10 that patient would end up with a big swollen
11 edematous arm.

12 Q. I see.

13 A. If the patient, if that thoracic syndrome
14 caused compression of the artery coming from,
15 into the neck into the arm, it would cause
16 vascular changes, which would mean that the
17 cold, the hand would be white, cold, devoid of
18 sweating and the muscles would atrophy. The
19 muscles would get smaller.

20 If it had to do with impingement of a
21 sympathetic chain, that patient could not stand
22 even the air crossing her hand. She would have
23 a white, thin hand without the ability to move
24 her fingers with ease without pain. The
25 patient could have --

1 MR. SHANE: I have to object
2 to could's. If he is going to describe it, let
3 him describe it as graphically if he wishes but
4 let's talk about medical certainty.

5 THE WITNESS: I am talking
6 about the thoracic outlet syndrome.

7 MR. SHANE: This is not
8 responsive. If you want to give us that fine,
9 but let's have it in medical probabilities.
10 Your lawyer, the man that hired you, knows
11 better and I think you should be limited.

12 MR. DJORDJEVIC: I am asking
13 for a diagnosis in the exclusion, so that is
14 not limited by medical probabilities. I am
15 asking the doctor --

16 MR. SHANE: Are you asking
17 him possibility? What are you talking about?

18 MR. DJORDJEVIC: No. I am
19 asking the doctor what the signs of a thoracic
20 outlet are and he is telling us what the signs
21 of the thoracic outlet are.

22 MR. SHANE: Then I have to
23 object and ask the doctor tell us what he
24 found

25 Q. Doctor, would you continue with what the

1 signs of the thoracic outlet are, please.

2 MR. SHANE: I don't care
3 what he does.

4 A. If there is no vascular problem, meaning
5 veins or arteries involved, and if there is no
6 nerve involvement meaning the sympathetic or
7 the peripheral nerves, then there cannot be a
8 thoracic outlet syndrome. This patient does
9 not have any sign, any objective evidence to
10 support any of those findings, edema, loss of
11 arterial supply, trophic changes, atrophy, loss
12 of sweating, none of those findings are here in
13 this patient. So the diagnosis of thoracic
14 outlet syndrome is a figment of somebody's
15 imagination.

16 Q. Doctor, are there any medical tests of an
17 electrical nature that can be done to either
18 rule in or rule out a diagnosis of the thoracic
19 outlet syndrome if it is suspected?

20 MR. SHANE: Objection. Oh,
21 let him answer. Let him say whatever he
22 wants.

23 A. Thoracic outlet syndrome is not something
24 that can be clarified only by an EMG
25 examination. It would be applicable only if

1 there was a peripheral nerve involvement, then
2 the EMG would be abnormal. But not likely to
3 be abnormal unless there was a peripheral, of
4 those four components that I mentioned, the
5 artery, the vein, the peripheral nerve or the
6 sympathetic chain.

7 If the peripheral nerve were involved the
8 EMG would add something to that but it would
9 not in any other way.

10 Q. All right. Now doctor, you have also
11 used the expression myofascitis. Am I saying
12 that correctly?

13 A. Yes.

14 Q. And you agree in it particular case of
15 Mrs. Trexler had myofascitis; is that correct?

16 A. Yes, that's correct.

17 Q. Tell the jury what that condition is and
18 tell the jury what problems it causes?

19 A. Myofascitis is a wastebasket diagnosis
20 that is used in trauma in the neck and in the
21 low back region. It indicates that the patient
22 has had some injury to the soft tissues, to the
23 bone, to the ligaments, to the tendons, to the
24 muscles about the area of the neck or the low
25 back region.

1 It only indicates that, as I said early
2 this patient did have soft tissue injuries.
3 The diagnosis of myofascitis accordingly can be
4 made early and in a treatment of a patient of
5 this nature.

6 Q: Is that what is sometimes known by layman
7 as a whiplash type injury?

8 MR. SHANE: You know, I am
9 objecting and I still don't care what you ask,
10 but this is so improper, I don't care. I have
11 to make my objection, but I don't care if he
12 answers.

13 Off the record, my objection stands.

14 A. The term whiplash is one that I do not
15 like to use, because I don't think it is
16 anything except a descriptive term, but in
17 so-called whiplash injuries, these are
18 principally soft tissue injuries.

19 MR. SHANE: Objection.

20 A. A severe sprain may involve tearing of
21 ligaments as well.

22 Q. Doctor, other than --

23 MR. SHANE: Objection as
24 being improper questioning. On redirect.

25 Q. Other than your finding of myofascitis in

1 this case, were there any other positive
2 findings that you made here at all?

3 A. I didn't think that there was any
4 residual manifestations of injury to the
5 musculoskeletal system which involved the neck
6 or the low back region at the time that I
7 examined her.

8 MR. DJORDJEVIC: I have
9 nothing further. Thank you very much.

10 EXAMINATION OF MALCOLM BRAHMS, M.D.

11 BY-MR. SHANE:

12 MR. SHANE: I just have a
13 few questions, doctor.

14 Q. You said that the thoracic outlet
15 syndrome is a figment of the imagination of Dr.
16 Tucker and Dr. Nemunaitis who said it was
17 there.

18 A. That's exactly what I said and I believe
19 it.

20 Q. Fine, you will stand on that?

21 A. I will.

22 Q. Okay. And so we clarify this, you're
23 paid by Mr. Djordjevic no matter what the
24 outcome of this, right?

25 A. That is correct. And I think all of us

1 are being paid except the members of the jury.

2 Q. Oh, they are being paid but it's totally
3 insufficient for the work they do.

4 A. Exactly.

5 MR. DJORDJEVIC: I couldn't
6 agree more.

7 MR. SHANE: Well, I am sure
8 you join with me on something, sir. Thank you
9 very much. No further questions.

10 MR. DJORDJEVIC: Thank you
11 very much, doctor.

12 MR. HENSCHER: Off the
13 record at 7:07.

14 (Discussion off the record.)

15 MR. DJORDJEVIC: Doctor, why
16 don't you waive.

17 THE WITNESS: I waive.

18 - - - - -

19

20

21

22

23

24

25

1 CERTIFICATE

2 The State of Ohio,)

3 SS:

4 County of Cuyahoga.)

5
6 I, Vivian L. Gordon, a Notary Public within
7 and for the State of Ohio, duly commissioned
8 and qualified, do hereby certify that the
9 within named witness, MALCOLM BRAHMS, M.D., was
10 by me first duly sworn to testify the truth,
11 the whole truth and nothing but the truth in
12 the cause aforesaid; that the testimony then
13 given by the above-referenced witness was by me
14 reduced to stenotypy in the presence of said
15 witness; afterwards transcribed, and that the
16 foregoing is a true and correct transcription
17 of the testimony so given by the
18 above-referenced witness.

19 I do further certify that this deposition
20 was taken at the time and place in the
21 foregoing caption specified and was completed
22 without adjournment.

1 I do further certify that I am not a
2 relative, counsel or attorney for either party;
3 or otherwise interested in the event of this
4 action.

5 IN WITNESS WHEREOF, I have hereunto set my
6 hand and affixed my seal of office at
7 Cleveland, Ohio, on this 9th day of
8 June, 1988.

9
10
11
12
13 Vivian L. Gordon

14 Vivian L. Gordon, Notary Public
15 within and for the State of Ohio
16

17 My commission expires May 22, 1989.
18
19
20
21
22
23
24
25