

The State of Ohio)
Cuyahoga County)
IN THE COURT OF COMMON PLEAS
ESTATE OF MARY BROWN,
ADMIN. RAY BROWN, ET AL.

DOC 74

Plaintiff,

vs.

Case #

BOOTH MEMORIAL HOSPITAL,
Y.S. HAHN, M.D., ET AL.

Defendant.

Deposition of THOMAS BRALLIAR a witness
taken before ANCRE JANIK Motary Public within and for
the State of Ohio in this cause on WEDNESDAY the 26th
day APRIL 1989 at 1100 HUNTINGTON ELDG., Cuyahoga
County, Ohio at 2:11 p.m. Pursuant to notice sent to
counsel, this deposition was tape recorded by Legal
Electronic Recording, Inc.

- - - - -
LEGAL ELECTRONIC RECORDING, INC.
614 SUPERIOR AVE. N.W.
THE ROCKEFELLER BLDG. - SUITE 612
Cleveland, Ohio 44113
(216) 621-3382 Fax 621-Cepo

Job # 9D-5287

APPEARANCES

DAVID GOLDENSE, ESQ.
920 TERMINAL TOWER
Cleveland, Ohio
For the Plaintiff

LOUIS LICATA, ESQ.
1100 HUNTINGTON BLDG.
Cleveland, Ohio
For the Defendant

THOMAS H. ALLISON, ESQ.
1100 HUNTINGTON BLDG.
Cleveland, Ohio
For the Defendant

ROBERT BUCK, JR. ESQ.
THE LEADER BLDG.
Cleveland, Ohio
For Both Memorial

P-R-O-C-E-E-D-I-N-G-S

Dr. Thomas B. Bralliar, of lawful age, a witness herein having first been duly sworn as hereinafter certified, deposes and says as follows:

DEPOSITION OF DR. THOMAS B. BRALLIAR

BY MR. GOLDENSE.

Q For the record, Doctor, would you state your full name and spell your last name, please?

A Thomas B. Bralliar, B-R-A-L-L-I-A-R.

BY MR. GOLDENSE: Dr. Bralliar, my name is David Goldense. We met just briefly a minute ago. As you may or may not know, I represent the estate of Mary Brown, in connection with a medical malpractice claim filed against Dr. Young Hahn, and other parties arising out of some care that Mary Brown received at Booth Memorial Hospital in May of 1985. I'm going to ask you a series of questions today, about anything that I think is relevant in representing my client's interests. If during the course of my questioning, I ask a question that you don't understand because of the way I

1 phrased it, I want **you** to stop me, and
2 have me rephrase anything I ask, okay?

3 BY DR. BRALLIAR: That's fine.

4 BY MR. GOLDENSE: Make **sure** that you
5 understand every question that you
6 answer, okay?

7 BY DR. BRALLIAR: That's fine.

8 BY MR. GOLDENSE: And also, **you're**
9 verbalizing your answers, **you** know that
10 **we're** recording this deposition. The
11 only way a transcriptionist can
12 somewhere down the **road reduce** this to
13 writing is if you verbalize all your
14 answers. So you make sure that **you**
15 answer them **all** out loud, okay?

16 BY DR. BRALLIAR: That's fine.

17 Q For the record, Doctor, your attorney **has** handed me
18 your **curriculum vitae**. Have you had a chance to review
19 this CV?

20 A Yes, I have.

21 Q Is this current?

22 A Yes, it is.

23 Q It appears to me that **you** have been **at** the
24 Cleveland Clinic since 1987, is that right?

25 4 That's right.

1 Q And you're a staff anesthesiologist there, ccorrect?
2 A Yes.
3 Q And it appears that you spent about fifteen (15)
4 years at Huron Road Hospital, where you served as a
5 staff anesthesiologist, prior to taking your appointment
6 at the Cleveland Clinic, is that correct?
7 A Yes, **that's** right.
8 Q Then you served on a number of committees during
9 your tenure at Huron Road Hospital, is that correct?
10 A Yes, it is.
11 Q One of the things I sometimes see on curriculum
12 vitae is publications. Have you published in the field
13 of anesthesiology that might not be reflected here on
14 your CV, Doctor?
15 A NO.
16 Q Have you ever been deposed before?
17 A Yes.
18 Q Approximately how many times have you had your
19 deposition taken?
20 A Three times.
21 Q Were any of those three occasions where you were
22 named party to a litigation?
23 A Yes.
24 Q **H**ow many of those three depositions involved you as
25 a named party?

1 A One.

2 Q Tell me, was that case here in Cuyahoga County?

3 A Yes, it was.

4 Q Do you remember the name of the case?

5 A I believe so.

6 Q What is the name of the case?

7 A Hanratty was the last name.

8 Q Of the plaintiff?

9 A Of the plaintiff.

10 Q Like H-A-N-R-A-T-T-Y?

11 A H-A-N-R-A-T-T-Y, as I recall.

12 Q You indicated that you have been deposed three

13 times. Can you tell me about the other two depositions

14 where you gave testimony? Was either one of those

15 depositions as an expert witness?

16 A Yes.

17 Q Which of both of those depositions was as an

18 expert?

19 A Just one.

20 Q Tell me about your deposition as an expert.

21 A What would you like to know?

22 Q Were you retained by the plaintiff or the

23 defendant?

24 A Defendant.

25 Q Who was the defendant in the case?

1 A I don't recall the name.

2 Q Do you remember which facility the defendant was
3 providing medical care at?

4 BY MR. LICATA: Do you mean hospital?

5 A A hospital in Akron.

6 Q Who was the defense attorney who retained you in
7 that case, if you recall?

8 A George Gore.

9 Q George Gore?

10 A Yes, sir.

11 Q Is he an Akron attorney?

12 A no, sir.

13 Q Where are Mr. Gore's offices?

14 A Cleveland, Arter and Hadden.

15 Q How long ago was that, Doctor, do you recall?

16 A A year, year and a half.

17 Q Do you remember anything about the merits of the
18 case, this case that you testified for Mr. Gore? The
19 merits, what the case was about?

20 A A bit.

21 Q Why don't you tell me what you recall with respect
22 to the substance of the case?

23 BY MR. LICATA: I'm going to object. Can
24 you be more specific? I mean the
25 claims, the facts, the issues.

1 Q Do you remember the facts of the case?
2 A A few.
3 Q Tell me what facts of the case you remember.
4 A The defendant was innocent.
5 Q That's a conclusion. How about a fact?
6 A That was a fact,
7 Q Fine. Did it go to trial?
8 A Yes, sir.
9 Q There was a verdict?
10 4 Yes, sir,
11 a Very good. What was the plaintiff's claim of
12 malpractice in that case, if you recall?
13 A TMJ .
14 Q In what field of medicine--strike that.
15 Were you called to testify as an anesthesiologist?
16 A Yes.
17 Q Was there surgery in the case?
18 A Yes.
19 Q What was the operative procedure that the plaintiff
20 in that case underwent?
21 a I don't recall,
22 Q But the plaintiff had a claim of a temporal'
23 mandibular joint injury, as a result of malpractice?
24 A That was a claim.
25 Q That was the claim, all right. Have you ever:

1 reviewed, for purposes of expert testimony, a claim of
2 an esophageal intubation, prior to this case?

3 A No.

4 Q You indicated you have been deposed three times.
5 One time was where you were a party to an action, and
6 that was the Hanratty case here in Cuyahoga County,
7 correct?

8 A Right.

9 Q You just talked about the case where you testified
10 for George Gore of this law firm, Arter and Hadden,
11 where we are today, and that was a verdict about
12 eighteen (18) months ago, is that correct?

13 BY MR. LICATA: Objection.

14 (He said that ~~correct~~

15 BY MR. LICATA: You can answer the
16 question. I don't want to quibble with
17 the characterization of your question.

18 BY MR. GOLDENSE: Does George Gore work
19 at Arter and Hadden?

20 BY MR. LICATA: Yes, he does. But
21 testifying for George Gore in anything,
22 it's fine. You can answer the question
23 if you did provide testimony in that
24 case.

25 A I testified in that case.

1 Q And there was a third deposition?
2 A Yes.
3 Q Can you tell me, was that a claim that arose out of
4 a medical malpractice?
5 A No .
6 Q What was the nature of your deposition testimony in
7 the third case?
8 A As respondent to a traffic accident.
9 Q So, the motor vehicle accident had nothing to do
10 with a medical claim as we define it here in Ohio, as a
11 claim arising out of malpractice in the care, management
12 or treatment of a patient, is that correct, in your
13 third deposition?
14 A No .
15 BY MR. GOLDENSE: I neglected to give
16 you my business card. We have moved our
17 address by the way. We are now 920
18 Terminal Tower.
19 Q So, your bill for testifying today in that I have
20 requested your deposition testimony, should be sent to
21 me at that address. What hourly rate will you charge my
22 client for the testimony that you will provide today?
23 A I'm not sure.
24 Q Who determines that?
25 A The Cleveland Clinic.

1 Q Who at the Cleveland Clinic determines what you
2 charge?

3 A I don't know.

4 Q Can you provide me with any assistance whatsoever
5 with respect to what the cost of this deposition, in
6 terms of your appearance will be for my client?

7 BY MR. LICATA: Let me interject here,
8 the Clinic has a set policy concerning
9 the **deposition** of any of their doctors.
10 And that is, I think fixed by the legal
11 department there, but I'm not sure. I
12 believe it's about three hundred and
13 fifty dollars (\$350.00) per hour, but
14 again, I'm not sure, I **wasn't** aware of
15 the fact **that** Dr. Eralliar didn't know
16 **what** the rate was per hour, and so I
17 didn't check into it for you. I can do
18 that after the deposition. It's no
19 problem. But it's a fixed fee for all
20 their physicians who testify. And that
21 fee is 'something that's paid **basically**
22 to the Clinic.

23 BY MR. BUCK: I videoed an expert over
24 there one time, finished, and said, will
25 you send me your bill, and he said, I

1 don't testify for money and walked out
2 the door. Go ahead.

3 BY MR. GOLDENSE: How about a name and
4 address?

5 BY MR. BUCK: No way.

6 Q So Dr. Bralliar, your attorney has indicated that
7 the hourly rate will be approximately three hundred and
8 fifty dollars (\$350.00). Do you know how many hours of
9 time, other than what we actually spend here this
10 afternoon, you will charge my client for your deposition
11 testimony today, in terms of units of time?

12 A No, I don't.

13 Q Did you spend any time preparing your testimony for
14 which my client will be charged at whatever your hourly
15 rate is?

16 A I don't know.

17 Q You don't know if you spent any time preparing for
18 this deposition?

19 BY MR. LICATA: No, I think he doesn't
20 know whether that's going to be charged
21 to your client. Off the record. I mean
22 it can be on the record, but I don't see
23 why it should be.

24 (OFF THE RECORD)

25 Q Doctor, what is your current residence address?

1 A 22089 Shaker Boulevard.
2 Q What city is that?
3 A Shaker Heights, 44122.
4 Q How were you contacted to testify in this case, do
5 you recall?
6 A As memory serves, Mr. Allison called me.
7 Q Do you know how Mr. Allison got your name?
8 A No.
9 Q That was not disclosed to you at the time of your
10 telephone conversation with him?
11 A I don't recall.
12 Q Are you a member of, or a listed member of any
13 expert referral services that are published in various
14 manners in these United States?
15 A No.
16 Q Do you remember how George Gore contacted you in
17 connection with the Akron Hospital case where you
18 testified as an expert?
19 A How he contacted me?
20 BY MR. LICATA: Objection. I don't think
21 that's relevant. You can answer it if
22 you know.
23 A I believe he called me.
24 Q Do you know how he got your name?
25 BY MR. LICATA: I'm going to note a

1 continuing objection to any questions
2 about the relationship between Mr. Gore
3 and Mr. Bralliar in a prior case.
4 Q Do you know how he got your name is the question.
5 BY MR. LICATA: You can answer his
6 question.
7 A He knew me from before.
8 Q How did ~~ne~~ know ycu?
9 A As before, ne was involved in *my* first malpractice
10 case temporarily.
11 Q That was the Eanratty case?
12 A That's right.
13 Q Were you represented by the law firm of Arter and
14 Hadden in the defense of the Hanratty case?
15 A For a time.
16 Q Are you asked to review files, short of possibly
17 giving deposition testimony on a regular basis in the
18 field of medical malpractice?
19 A No.
20 Q Other than these two cases that you're talking
21 about, one where you were a party, and one where you
22 were en expert, have you ever reviewed any other files,
23 short of giving **deposition** testimony in a medical
24 malpractice claim?
25 A Yes.

1 Q In your medical career, how many files do you think
2 you have reviewed approximately, if you know?
3 EY MR. LICATA: If you know.
4 A I don't know.
5 Q More than one?
6 A Yes.
7 Q More than five?
8 A Yes.
9 Q More than ten (10)?
10 A Yes.
11 Q More than fifteen (15)?
12 A Maybe.
13 Q So your yelp **threshold** starts somewhere over ten
14 (10) files, is that **right**?
15 A My yelp threshold?
16 Q Yelp. It's not that hard, Doctor. The level at
17 which you have some discomfort about what you remember
18 as the number of files you have reviewed begins in
19 excess of ten (10) files, is that right?
20 A No.
21 Q Where does your discomfort in your recall of **the**
22 number of files you have **reviewed** *begin* to become in
23 doubt in your mind?
24 BY MR. LICATA: Objection. I'm not sure
25 he has any discomfort. I **chink** that ne

1 just can't recall how many at some
2 point.

3 Q You may answer. I mean I appreciate your attorneys
4 testimony, but you're the one who is testifying today,
5 not your attorney.

6 BY MR. LICATA: If you know at what point
7 you can't be certain as to the number of
8 files you reviewed, tell him.

9 A I am not uncomfortable with it. I don't recall the
10 exact number, but I am fairly certain that is more than
11 ten (10).

12 Q Over how long a period of time would you have
13 reviewed more than ten (10) files?

14 A Ten (10) years.

15 Q Were any of those ten (10) files that you reviewed
16 claims on behalf of a plaintiff? One claiming
37 malpractice.

18 A No.

19 Q May I then conclude that all ten, at a minimum
20 files that you can recall reviewing over the last ten
21 (10) years were on behalf of the defense to malpractice
22 claims?

23 A No.

24 Q If they are not plaintiff and they are not
25 defendant, I'm not sure what other options are available

1 for me. Why don't you tell me if you weren't reviewing
2 for a defendant in a malpractice claim, who else you
3 would be referring for.

4 A The PIE Mutual Insurance Company.

5 Q And I see from your CV that you served on their
6 board of directors from 1979 to 1987, is that correct?

7 A Yes.

8 Q Were any of your reviews--strike that.

9 How many of the reviews that you recall conducting
10 were done as a member of the board of directors for the
11 PIE Mutual Insurance Company?

12 A I'm sorry.

13 Q I'm trying to find out what percentage of the files
14 you have reviewed with claims of malpractice were done
15 while you were ~~wearing~~ the hat as a member of the board
16 of directors of the PIE Mutual Insurance Company.

17 BY MR. LICATA: When you say percentage,
18 I assume that you're referring to the
19 more than ten (10) that he has
20 identified?

21 BY MR. GOLDENSE: Yes, exactly.

22 A Eight.

23 BY MR. LICATA: Doctor, when you say
24 eight, are you referring to eight being
25 ~~eight~~ out of ten (10), eighty percent

1 (80%), or eight of however many?

2 BY DR. BRALLIAR: Eight out of the nine
3 or ten that were mentioned.

4 Q And the others--strike that.

5 Where you were reviewing claims as a member of the
6 board of directors for PIE Mutual., were all of those
7 reviews done on behalf of physicians against whom claims
8 of malpractice have been lodged in one form or another?

9 A Yes.

10 Q That leaves a couple of other files that you--a
11 couple other cases that you have reviewed. Were those
12 other reviews done on behalf of physicians against whom
13 claims of malpractice were lodged?

14 A One.

15 Q Was that other claim referred to you by an attorney
16 for review?

17 A Yes.

18 Q Who was the attorney who reviewed that other claim
19 to your consideration?

20 A Mr. Gore.

21 Q I take it that other one by Mr. Gore, you did not
22 actually have to testify under deposition, is that
23 correct?

24 a No, sir.

25 Q You did testify?

1 A I am referring to the case that I previously
2 mentioned.

3 Q And that's the Akron Hospital case?

4 A Yes, sir.

5 Q In any of these reviews that we have discussed,
6 whether by way of deposition testimony or reviews when
7 you were on the board of directors at PIE, have you
8 reviewed any claims where the plaintiff's claim was that
9 there was a problem with the intubation of an
10 anesthetized patient?

11 BY MR. LICATA: Objection. I think he
12 has already testified to that.

13 BY MR. GOLDENSE: I didn't get to the
14 other reviews.

15 BY MR. LICATA: I thought you had asked
16 him earlier if he had ever been involved
17 in a case involving esophageal
18 intubation, but go ahead, you can
19 answer.

20 A Not that I recall.

21 Q Let me just make sure that I'm clear in that
22 question and the answer, because Mr. Licata's
23 interjection may have caused some confusion. Either as
24 an expert to whom a claim has been referred, where you
25 testified, or where you worked as a member of the board

1 of directors at PIE Mutual, or in any other capacity
2 where your services have been sought as a consulting
3 expert witness in a medical malpractice claim, which I
4 now intend to have included your entire professional
5 career, have you ever consulted on a case where there
6 was a claim of an esophageal intubation during general
7 anesthesia?

8 BY MR. LICATA: Objection. You may
9 answer.

10 A Not that I recall.

11 Q Doctor, do you have a copy of your February 17th
12 letter addressed to Mr. Licata in front of you there?

13 A Yes, I do.

14 Q For the record, I am referring to a letter
15 addressed to Louis Licata, dated February 17th, 1988,
16 which appears to have Dr. Bralliar's signature. Doctor,
17 my question is, reviewing this letter, you indicate that
18 you have reviewed the following, one, Booth Memorial
19 Hospital records for Mary Lou Brown, covering her
20 admission on May 16th, '85. Number two, the autopsy
21 protocol on Mary Lou Brown. Three, the deposition
22 testimony of the operating surgeon, Dr. Kamahl L.
23 Humshari, M.D. Number four, the deposition testimony of
24 the anesthesiologist, Young S. Hahn, M.D. Number five,
25 the deposition testimony of C.R.N.A., Judy N. Doss. And

1 that obviously would have been reviewed by you prior to
2 signing this letter on February 17th, 1988, is that
3 correct?

4 A Yes.

5 Q Since February 17th, 1988, what other records have
6 you had the opportunity to review as they relate to this
7 lawsuit?

8 A Dr. Kopsch's deposition and report, a second
9 deposition by Dr. Alhamshari.

10 BY NR. LICATA: Doctor, did you have a
11 chance to review Dr. Alhamshari's
12 records, medical records, charts?

13 BY DR. BRALLIAR: No, I did not.

14 BY MR. LICATA: I wasn't sure, I wanted
15 to make sure that if he was given the
16 list that we had that at least,

17 Q Can I see what you just read here, Doctor? For the
18 record, the doctor is handing me a piece of yellow
19 paper. Where are these records that you reviewed,
20 Doctor, prior to testifying today? The five that are
21 set forth in your February 17th, 1988 letter, and the
22 deposition and report of Dr. Kopsch, and the second
23 deposition by Dr. Alhamshari.

24 A At home.

25 BY MR. LICATA: We have extras here,

1 David, if you want us to get them for
2 you.

3 Q How about correspondence between you and Mr.
4 Licata? Has Mr. Licata had occasion to correspond with
5 you with respect to this case?

6 A Your question, sir?

7 Q Has Mr. Licata had occasion to correspond with you
8 with respect to this case?

9 A He may have.

10 Q Where would his correspondence be now, Doctor?

11 A At home.

12 Q I want to see the correspondence.

13 BY MR. LICATA: Why don't you see what
14 you can find in the correspondence?

15 BY MR. ALLISON: It's privileged.

16 BY MR. GOLDENSE: It wasn't privileged
17 for Kopsch, how is it going to be
18 privileged for him?

19 BY MR. LICATA: He hasn't turned it over
20 to us yet either.

21 BY MR. GOLDENSE: You saw it all at his
22 house.

23 BY MR. LICATA: We will show it to you.
24 It's no big deal.

25 BY MR. ALLISON: It will take me a couple

1 of minutes to find all of the letters to
2 Dr. Bralliar in this correspondence.

3 BY MR. GOLDENSE: Let the record reflect
4 then that Mr. Allison is making due
5 diligent efforts to obtain copies of
6 correspondence to Dr. Bralliar.

7 Q Dr. Bralliar, I would like you to make available
8 for my inspection for photocopying, all of the records
9 that you have at your home in connection with your
10 review of this case.

11 BY MR. LICATA: I'm going to object.
12 He'll make available to you anything he
13 has at home that has not--that you don't
14 have, which--I mean you have the
15 deposition transcripts, and the medical
16 records, and all of that, and so there
17 is no reason for him to drag those
18 records out for you when you already
19 have those records. If he has anything
20 other than--I mean he has told you
21 everything he has reviewed, and
22 everything that he has reviewed, you
23 should have, David. And the only thing
24 we are trying to dig out for you now is
25 this correspondence.

1
2
3
a
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

BY MR. GOLDENSE: What I am asking is that the doctor produce for me all of the correspondence between you and him, related to this litigation.

EY MR. ALLISON: Just Licata ana Dr. Bralliar?

BY MR. GOLDENSE: Or you, Mr. Allison, Between the law firm of Arter and Hadden ana Dr. Bralliar.

BY MR. LICATA: All right. We will. produce that. That is something I'm not too concerned about. Although I assume that Dr. Kopsch is going to produce copies of his correspondence for us, then, too.

BY MR. GCLDENSE: To my understanding, you sew his whole notebook at the time of his deposition.

BY MR. LICATA: I want copies.

Q Since you don't have **that** correspondence with you, Doctor, let me ask some questions about this February 17th, 1988 letter, How many such letters have you had occasion to write in your professional career to attorneys on whose behalf you review medical malpractice claims?

1 A One or two.

2 Q Have you ever written a letter that goes into
3 greater detail as to the basis for the conclusions you
4 reach upon your review? Specifically, in this
5 particular letter, all that is concluded is, it is my
6 professional opinion that their care, referring to Judy
7 N. Doss and Young S. Hahn, in *no* way, directly or
8 indirectly contributed to the complications which
9 occurred to the patient on May 6th, 1985, ultimately
10 resulting in her death. A bald conclusory statement,
11 obviously. Have you...

12 BY MR. LICATA: Objection.

13 Q Have you ever provided written analysis as to the
14 basis upon which such a conclusion was written in your
15 other letter reviews?

16 BY MR. LICATA: Objection. You can
17 answer.

18 A No .

19 Q Then the purpose of my deposition today is to try
20 and find **out** the grounds upon which you reached that
21 conclusion, fair enough?

22 BY MR. LICATA: That's why he's here.

23 Q That's why you're here. Do you believe that Mary
24 Lou Brown was intubated in the trachea during her
25 surgery in May of 1985?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A Yes.

Q Good, that's a good place to start. Upon what evidence to you draw your conclusion that this was a tracheal intubation?

BY MR. LICATA: Objection. Specifically..

BY MR. GOLDENSE: Yes.

BY MR. LICATA: ...or generally? I'm going to object because there is a lot of evidence in this file that he...

BY MR. GOLDENSE: Let's get to it, then, Lou.

BY MR. LICATA: Right, but I am not going to put him in a position where he has to draw from memory absolutely every fact and detail of the medical chart, and of all the depositions, and of everything he has reviewed in response to that question. So, I object.

Q With your attorney's objection, you can go ahead and start to answer the question, Doctor. Upon what basis do you believe that this was a tracheal intubation?

BY MR. LICATA: If you can give him all the facts that you can recall at your fingertips, go ahead.

1 A Based upon my review of the records which have been
2 mentioned, and my own background, training and
3 experience.

4 Q And you, of course, have read the testimony of Dr.
5 Alhamshari, not only his first deposition, but his, what
6 you call in your note here, this trial testimony, right?
7 You have read ~~that~~?

8 A Yes.

9 Q Are you familiar, without having to reread **the**
10 whole deposition, that he believes **that** there **was** an
11 esophageal intubation in this case? Do you recall ~~thac~~
12 ~~facr~~? **That's** Dr. Alhamshari's belief?

13 A That's his opinion.

14 Q Do you disagree **with** his conclusion that this **was**
15 an esophageal incubation?

16 A Yes.

17 Q Upon what basis do you disagree with Dr.
18 Alhamshari?

19 BY MR. LICATA: The same objection as I
20 raised before, **about** all of the things
21 he has to try to recall at his
22 fingertips, but again, if you can tell
23 him the **things that** you recall, **based** on
24 your review, tell him why you disagree
25 with Alhamshari's **position**.

1 A My answer is the same as the previous answer.

2 Q The general answer that your background, training,
3 experience, and your review of the records, is that it?

4 A That's not a general answer.

5 Q Do you know what sinographen is, Dr. Bralliar?

6 A Yes.

7 Q What is sinographen?

8 A A radiographic dye.

9 Q Do you know in this case whether it was a water
10 based or an oil based sinographen?

11 A Yes.

12 Q What was it?

13 A It's a water based,

14 Q Have you had experience with gynecological
15 procedures in your training and background?

16 A Yes.

17 Q Where sinographen have been used as a contrast
18 medium?

19 A Yes.

20 Q Have you ever observed an anaphylactic shock
21 reaction in your personal experience to the induction of
22 sinographen?

23 A No.

24 Q Do you believe that Mary Lou Brown suffered an
25 anaphylactic shock reaction from the induction of

1 sinographen on May 6th, 1985?

2 A Anaphylactic shock or anaphylactoid?

3 Q Wait. Is your answer yes, you do believe that she

4 had such a reaction?

5 BY MR. LICATA: I think he is trying to

6 clarify your question, that's all.

7 Q Okay.

8 A I believe she suffered either an anaphylactic or an

9 anaphylactoid reaction.

10 BY MR. ALLISON: Spell the last one for

11 me, please.

12 BY DR. BRALLIAR: A-N-A-P-Y-H-L-A-C-

13 T-C-I-D.

14 Q Since you have used two different terms, why don't

15 you define them **both**? for me? What is an anaphylactic

16 reaction?

17 A An immunologically mediated reaction to a foreign

18 substance, an antigen **antibody** reaction.

19 Q What is an **anaphylactoid** reaction?

20 A A nonimmunologically mediated reaction to a foreign

21 substance.

22 Q What is an immunologic reaction?

23 A A reaction mediated by the immune system of **the**

24 **body** .

25 Q Then what is a nonimmunologic reaction?

1 A Your prior question . . . the immunologic reaction
2 is mediated by IGE antibodies.

3 Q Taking an anaphylactic reaction first, how long
4 does that take--how does it take for that to manifest
5 itself, subsequent to an induction of sinographen?

6 A If it's from sinographen, it may manifest itself
7 within seconds to minutes.

8 Q And if it's an anaphylactoid reaction, how long
9 would it take to manifest itself subsequent to the
10 induction of **sinographen**?

11 A Just as rapidly.

12 Q Now, I **believe** it's your testimony that you have
13 never personally witnessed one of these reactions in
14 your career, is that **correct**?

15 A No.

16 Q You have witnessed one of these reactions? I'll
17 ask the question in the affirmative. Sometimes I ask
18 questions in the negative, and it gets confusing. I
19 asked earlier **whether** or not you have ever witnessed an
20 anaphylactic reaction in a patient subsequent to the
21 induction of sinographen, and your answer to that
22 question is, have you or **have** you not?

23 A No, I haven't.

24 Q Have you ever witnessed an anaphylactoid reaction
25 in a patient, subsequent to the induction of

1 sinographen?

2 A No.

3 Q Upon what basis have you drawn the conclusion that
4 Mary Lou Ercwn suffered **either** an anaphylactic or an
5 anaphylactoid reaction, subsequent to the induction of
6 sinographen in May of 1985?

7 BY MR. LICATA: Objection. The same
8 basis as before.

9 Q Upon what evidence have you drawn that conclusion?

10 A The clinical records, and testimony.

11 Q Do you have a copy of the clinical record available
12 to you, or can you **make** one available to him, Mr.
13 Licata? Where in the clinical records do you find
14 evidence of an anaphylactic or anaphylactoid reaction?
15 Clinical records, by the way, do you mean anything other
16 than the Booth Memorial Hospital records?

17 BY MR. LICATA: Or the autopsy. Is the
18 autopsy protocol part of those **records**?

19 BY MR. GOLDENSE: **That's** exactly what my
20 question is going to.

21 Q Doctor, this is not a hidden bail game. You said
22 clinical records. In this case, clinical records,
23 obviously to all of commonly mean the chart from Booth
24 Memorial Hospital, which you have right in front of you.
25 Do you need any other records to look at, in order to

1 give ne tne indication of the evidence upon which you
2 have reached this conclusion of anaphylactic or
3 anaphylactoid reaction?

4 A I include not only the clinical records, but the
5 depositions and the autopsy report.

6 Q Do you have the autopsy report handy?

7 BY MR. ALLISON: I don't think ne does,
8 no.

9 Q I have an autopsy report, but it's the only one I
10 have. Where, in either the ~ c o Memorial Hospital
11 records, which you have in front of you, or the autopsy
12 records that ycu have in front of you, do you find
13 evidence of an anaphylactic or anaphylactoid reaction to
14 the sinographen?

15 A The evidence is a clinical diagnosis.

16 Q Where is that manifested in the records?

17 A It's manifested in the records by the documentation
18 of an immediate cardiovascular collapse of the patient,
19 which immediately followed the injection of the
20 srnographen into the patient.

21 Q Is there anything in the autopsy protocol, or any
22 of the records from the Cuyahoga County Coroner's Office
23 that support your conclusion of an anaphylactic or
24 anaphylactoid reaction?

25 A In the sense that ~ h e yhe? We exclude other

1 diagnoses.

2 Q Looking at those records, what other diagnoses are
3 excluded?

4 A In my opinion, esophageal...

5 BY MR. LICATA: When you say these
6 records, you're talking about the
7 autopsy report?

8 BY MR. GOLDENSE: Yes.

9 A In my opinion, the esophageal incubation.

10 Q How was that ruled out in the autopsy records?

11 A I would expect with an esophageal incubation to see
12 evidence of trauma to the esophagus, if that in fact
13 would have occurred.

14 Q You have got the record right there in front of
15 you, Doctor, and it's not that long. Can you show me
16 where the evidence of nontrauma to the esophagus is
17 present in the coroner's report?

18 A On page 1, under gastrointestinal tract, quote, the
19 esophagus and stomach are grossly unremarkable.

20 Q What you called page 1, Doctor, can I see the page
21 1 you are referring to?

22 BY MR. LICATA: I think it's marked at
23 the bottom of the page as page 1, that's
24 why. It's actually on the copy.

25 BY MR. GOLDENSE: I see. You have a

1 reduced copy. That's what...

2 Q Other than the clinical records, which you--I don't
3 m e to cut you off. Is there anything else in
4 the--while we're on it, the record of the Cuyahoga
5 County Coroner's Office that lead you to conclude that
6 this was a--shall we call it an adverse, and I'll mean
7 adverse reaction, meaning either anaphylactic or
8 anaphylactoid, for the purpose of not having to repeat
9 those every question. in terms of reaching your
10 conclusion to an adverse reaction to the sinographen, is
11 there anything else in the Cuyahoga County Coroner's
12 records that supports that conclusion?

13 A Possibly.

14 Q What else might possibly support your conclusion,
15 Doctor?

16 A The lungs are very heavy.

17 BY MR. BUCK: Are very what?

18 BY MR. GOLDENSE: Beavy.

19 A Markedly congested and edematous. The heart, as a
20 whole is very flabby and dilated.

21 Q Anything else that you choose to draw on to find
22 support for your conclusion that this was an adverse
23 reaction to the sinographen from the coroner's records?

24 A The fact that there are no other demonstrable
25 causes.

1 Q What is it about the lungs being heavy, that
2 possibly--and you used the word possibly to support your
3 conclusion, so I'm going to **repeat** it, and maybe that's
4 not fair. If it isn't, you correct me. You indicated
5 that you possibly might find support for your conclusion
6 in the fact that the lungs were heavy. What about heavy
7 lungs supports your conclusion that this was an adverse
8 reaction to sinographen?

9 A I had mentioned that the lungs were heavy, markedly
10 congested, and **edematous**.

11 Q I was going to break those down into three
12 different questions. I **started** with heavy.

13 A I include all three of things together. Those are
14 findings that might occur with an anaphylactic or an
15 anaphylactoid **reaction**.

16 Q What else could cause heavy, congested, and
17 edematous lungs, other than an anaphylactic or
18 anaphylactoid reaction?

19 A I'm sure there are numerous things.

20 Q You've had a chance to review what happened to this
21 patient on the operating table, didn't you?

22 A Yes.

23 Q Would the length and duration of her resuscitative
24 efforts be a potential cause for heavy congested, and
25 edematous lungs?

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

A Yes. ✓

Q What other causes that we can specify to Mary Lou Brown's case, with the history that she had prior to coming to the coroner's office, cause heavy, congested, and edematous lungs? Rather than trying to answer that question in the whole world of medicine.

A In this case, none that I can think of right now.

Q So we have two potential causes that you can think of for the findings in the lungs, either the adverse reaction to the sinographene, or the efforts to resuscitate her, is that correct?

A There is a third possibility.

Q What would the other third possibility be?

A Myocardial event.

Q Is there any indication in the Booth Memorial records that she had a myocardia event?

A Could you clarify that?

Q Did you just say myocardia event?

A Myocardial event, a cardiac event,

Q Is there any indication in the record that Mary Lou Brown had some sort of myocardial event during the time that she was being resuscitated, or the time that she was at Booth Memorial Hospital?

A Yes.

Q And of course we know that her heart stopped on the

1 operating table, is that right?

2 A Yes.

3 Q Is that a myocardial event, the way you have
4 defined it?

5 A Certainly.

6 Q So now, we can, think of three reasons for the
7 heavy, congested, and edematous lungs, is that correct?

8 A That I can think of right now.

9 Q Are there any other reasons that you can think that
10 Mary Lou Brown had heavy, congested, and edematous lungs
11 in the autopsy?

12 A Not right now.

13 Q Are certified nurse anesthetist routinely used in
14 you: experience to administer general anesthesia?

15 A No.

16 Q What circumstances in your experiences, and I'll
17 call them CRNA's, if I may. Under what circumstances in
18 your experience are CRNA's used?

19 A When they are available.

20 Q Are they available at the Cleveland Clinic
21 Foundation?

22 A Yes, they are.

23 Q Were they available at Huron Road Hospital when you
24 worked there?

25 A Part of the time.

1 Q Was it a scheduling phenomenon strictly that
2 determined their availability?

3 A No.

4 Q What determined the availability of CRNA's in your
5 experience at Huron Road Hospital?

6 A The need for trained professionals to provide the
7 service that was necessary for surgical anesthesia.

8 Q Do you have any criticism in this case of the use
9 of a CRNA, in terms of administering the anesthesia to
10 Mary Lou Ercwn?

11 A No.

12 Q From **the** standpoint of her being a CRNA rather than
13 a board certified anesthesiologist.

14 A No.

15 Q Is it your opinion, based upon a reasonable degree
16 of medical certainty, that the use of a CRNA at Booth
17 Memorial Hospital in May of 1985 was within the standard
18 of care to which Mary Lou Ercwn was entitled? Use of a
19 C--for the record, you lock like you didn't understand
20 the question. I'll rephrase it.

21 A Right.

22 BY MR. BUCK: Acceptable standards, I
23 think would **clear it up**.

24 BY MR. COLDENSE: Thanks.

25 Q Was it within the acceptable standards of care for

1 Booth Memorial Hospital and Dr. Hahn, in his anesthesia
2 group, to employ a CRNA at Booth Memorial Hospital in
3 May of 1985?

4 BY MR. BUCK: Show an objection.

5 BY MR. LICATA: Objection.

6 BY MR. BUCK: You're implying that CRNA
7 was an employee of Booth Hospital.

8 BY MR. GOLDENSE: That's another question
9 I'll be getting to.

10 BY MR. BUCK: Okay, you'll get there.

11 Q You can answer the question if you understand it.

12 BY MR. LICATA: You can answer it if you
13 remember it and hear? understand it.

14 A Yes.

15 Q Explain to me the role that the anesthesiologist
16 plays in supervising a CRNA during surgery. How do they
17 interact with one another?

18 A You asked two questions there.

19 Q Explain to me the role of an anesthesiologist in
20 providing general anesthesia, where there is a CRNA
21 working with the anesthesiologist.

22 A The anesthesiologist's role is to medically direct
23 the anesthetic for that case.

24 Q What is the role of the CRNA?

25 A To act as a competent trained professional in

1 working with the physician, to provide that anesthesia
2 care.

3 Q What is the scope of delivering anesthesia that
4 falls within the realm of a CRNA?

5 BY MR. LICATA: Objection. What do you
6 mean by scope? I mean really.

7 BY MR. GOLDENSE: Lou, you have this
8 incredible habit of, every time you
9 don't like my question, you have a way
10 of telling your witness.

11 Q If you don't understand my question, Doctor, you
12 ask me to rephrase it.

13 BY MR. GOLDENSE: Lou, I would
14 appreciate it if you wouldn't ask me to
15 **rephrase** every question I've asked, If
16 the doctor can't answer my question,
17 *he's* already indicated that he knows now
18 to stop me.

19 BY MR. LICATA: All **right**.

20 BY MR. GCLDENSE: I would appreciate if
21 you didn't every time.

22 BY MR. LICATA: Yes, that is fair. I'm
23 going to object, however, because I
24 think the question is unfair., I mean,
25 what is the scope of anesthetic

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
2
22
23
24
25

practice.

BY MR. GOLDENSE: No, I didn't ask what the scope of anesthetic practice was.

BY MR. LICATA: You asked what the scope of a CRNA's role in administering anesthesia.

BY MR. GOLDENSE: Yes, what's so hard about that?

BY MR. LICATA: I think it's broad and vague, and I'm not sure it necessarily...

BY MR. GOLDENSE: But you're not the witness. He's the witness.

BY MR. LICATA: I know that, and I'm trying to protect the record, so that the question...

BY MR. GOLDENSE: No, you're not trying to protect the record. You're trying to protect your witness, and that's what's offensive about it.

EY MR. LICATA: That's your...

BY MR. GOLDENSE: If he has a question about one of my questions, he's free to ask ne. The man is a doctor. He knows how to read and write the English

1 language. He has no questions about what
2 I'm asking. If he does, he can ask me.
3 But I'm tired of, every time I ask a
4 question, you interjecting and saying
5 you don't understand it.
6 BY MR. LICATA: I think it's important
7 that I understand the question from a
8 legal perspective, David.
9 BY MR. GOLDENSE: Then you can object.
10 BY MR. LICATA: And I did.
11 BY MR. GOLDENSE: But when you object,
12 you don't have to then tell him what the
13 nature of your objection is so that he
14 understands what not to say.
15 BY MR. LICATA: I'm going to tell you
16 what the nature of my objection is,
17 that's all.
18 BY MR. GOLDENSE: I don't need to hear
19 it. That's for the judge.
20 BY MR. LICATA: If you can answer the
21 question, Doctor, go ahead.
22 Q The question quite simply is this, Doctor. What is
23 the scope of a CRNA's duties in providing the delivery
24 of anesthesia during a general anesthesia operation?
25 BY MR. LICATA: Objection.

1 A It varies.

2 Q In this case, where there was a hysterosalpinogram,
3 with a D and C ordered and planned for May 6th, 1985,
4 and Judy N. Doss was in the OR as a CRNA with Dr. Hahn
5 as an anesthesiologist, what was the scope of her
6 function in providing general anesthesia to Mary Lou
7 Brown on May 6th, 1985?

8 BY MR. LICATA: Objection. You can
9 answer.

10 A What she and Dr. Hahn had decided, with him as the
11 medical director.

12 Q Does that include administering anesthetic gases?

13 A Yes.

14 Q We know in this case, and I'm sure you've read it
15 in Dr. Kopsch's deposition, that there was a lengthy
16 analysis of the anesthetic gases that were delivered to
17 Mary Lou Brown. Do you recall that testimony?

18 A What is your question specifically?

19 Q Do you recall the testimony in the deposition of
20 Dr. Kopsch as to the anesthetic gases that were
21 delivered to Mary Lou Brown?

22 A Not specifically.

23 Q Why don't you turn, then, to the chart, and it is
24 page--I have it ~~numbered~~ page 23 in the upper right-hand
25 corner. Yes, you're on the same page I am, Page 23, and

we're referring to the Booth Memorial chart. Can you tell me what gases were administered to Mary Lou Brown on May 6th, 1985?

A The gases that I see on this record are oxygen, nitrous oxide, ethylene.

Q I understand that there were some intravenous medications administered, is that correct?

A The record shows that.

Q What record--and what intravenous medicines were administered to Mary Lou Brown?

A Not necessarily in order are shown atropine sulfate, Vesprin, Anectine, DTC.

Q Do you know what DTC stands for?

A Detubocurare, Phentonil, and Penathol.

Q What is Sucocolin?

A Succinylcholine?

Q Succinylcholine, what is it?

18 A It's a depolarizing muscle relaxant.

20 Q Was it administered, from your review of this anesthesia record?

A Yes.

24 Q Do you recall Dr. Kopsch's testimony with regard to the administration of some of these medications and
25 gases, with respect to a criticism that he might have had with respect to the amount of the dosage of Vesprin?

1 A Yes.

2 Q Do you agree with Dr. Kopsch's conclusion that a

3 one milligram dose would have been indicated for this

4 patient?

5 A No .

6 Q You don't recall that testimony, or you disagree

7 with his conclusion?

8 A I recall the testimony. I disagree with his

9 conclusion.

10 Q Do you agree that a two milligram dosage of Vesprin

11 was indicated and proper within the standard of care for

12 Mary Lou Brown within **this** procedure?

13 A I would agree with Dr. Hahn's decision to have that

14 dosage administered in this **patient**.

15 Q With respect to page 23 of the anesthesia record;

16 that you have in front of you, it appears that there

17 were changes made in the record, changes defined as

18 something having been written down, and then crossed out

19 and new notes made. I would like to go over those with

20 you. You see, in the middle of the *page* on the right,

21 two diagonal lines, if I may just point to this note

22 right here. And it appears that **extubated** in OR,

23 **R-E-S-P** would be what, respirations, or respiratory

24 something? **That's** it, extubated in OR, and can you read

25 what else is written underneath those two lines?

1 A It says resp. not done.

2 Q Extubated in OR means that the endotracheal tube

3 would have been removed in the operating room, is that

4 correct?

5 A Yes.

6 Q Prior testimony indicates in the case that that's

7 Judy Doss' handwriting. My question is, is it common to

8 have notations written on an anesthesia record about

9 things that are anticipated before they are actually

10 done?

11 A I would not be surprised.

12 Q Is it common?

13 BY MR. LICATA: Objection.

14 A What do you mean by common?

15 Q Do you ever do it?

16 A Not as a practice.

17 Q Let's skip on down to appearance then, Underneath

18 **appearance** it says *start* and finish, and in both cases,

19 **it appears** that a G was circled, and then the finish was

20 crossed off, and below that it says, air, and we know

21 from prior testimony 'that it's Judy Doss' handwritten

22 **initial**, okay?

23 A Yes.

24 Q We know that. Do you write the finishing

25 appearance of a patient before you **complete** an

1 anesthesia management?

2 A No .

3 Q Is it unusual, in your experience, that this would

4 have had to have been crossed off, because it is

5 obviously not a **factually** correct statement when trouble

6 intervened?

7 BY MR. LICATA: Objection.

8 Q In your experience, *is* that an unusual phenomenon.

9 A You had several questions in that.

10 Q No, I have one question. Is it unusual that this

11 would have to be crossed off when, as we **obviously** know

12 today, trouble intervened?

13 A Whenever an error is made on a record, it is

14 always--it should be crossed and initialed, regardless

15 of whether trouble intervenes or not,

16 Q Is it unusual that the finishing appearance of the

17 patient would **be** recorded before the anesthesia

18 management was completed?

19 A Not necessarily .

20 Q In administering general anesthesia, is there a

21 frequency of problems with esophageal intubation that

22 you can quantify in your experience as an

23 anesthesiologist?

24 A No .

25 Q Is esophageal intubation a well known risk of

general anesthesia in your community?

BY MR. LICATA: in *tine* community?

a Gf anesthesiologists.

A What do you mean by well known risk?

Q I mean a risk that is anticipated as a potential problem in administering general! anesrhesia.

A I wouldn't say anticipated.

Q What would you say?

A I would say it's **a** recognized potential occurrence.

10 Q Thank you. So, we agree that esophageal intubation is a recognized potential occurrence?

A Yes.

14 Q What means are available to an anesthesiologist or
15 a nurse anesthetist to recognize esophageal intubation?

BY MR. LICATA: Today?

16 Q Today.

A A variety.

18 Q Give me the laundry list of the variety of means
19 that **allow** you to detect esophageal intubation today.

20 A One of the best is endtidal CG2 monitoring.

Q I'm sorry, Doctor, **wnat**?

22 A Endtidal carbon dioxide monitoring.

23 Q **Was** carbon dioxide monitoring entitled?

24 A End, E-N-D, tidal, T-I-O-A-L.

25 Q Endtidal carbon dioxide monitoring, got you, thank

i you. Was that available in May of 1985?

2 A Not routinely.

3 Q Where was it available in May of 1985?

4 A I can't say.

5 Q Was it available at Huron Road Hospital in May of
6 1985, when you were working there?

7 A I believe so.

8 Q Is it available at the Cleveland Clinic today--or
9 strike that.

10 Is it available at the Cleveland Clinic since you
11 have been there since 1987, endtidal--carbon monoxide?

12 A Dioxide.

13 Q Carbon dioxide monitoring.

14 A Yes.

15 Q Any other ways to detect esophageal intubation
16 today?

17 A Esophageal or tracheal?

18 Q I'm asking for esophageal.

19 A I beg your pardon then, because...

20 Q A potential risk that I am discussing with you now
21 is esophageal intubation.

22 A Then I may have misspoke, because the endtidal CO2
23 monitoring is to confirm tracheal intubation.

24 Q Doesn't that inferentially rule out an esophageal
25 intubation?

1 A Yes, but you were saying that you wanted *tests* used
2 to detect esophageal incubation.

3 Q Yes.

4 A We don't use *c e s* to detect esophageal intubation,
5 we use tests *eo* detect tracheal intubation.

6 Q And if you have intubated the trachea, is it fair
7 for me to conclude rnat you have **effectively** ruled out
8 the potential for esophageal intubation?

9 A Yes.

10 Q How else can you confirm tracheal intubation today,
11 other than endtidal?

12 A The second equally good, or second **best** technique
13 is direct visualization of **the** endotracheal tube, going
14 through the vocal cords, into the trachea.

15 Q How is that visualized?

16 A Visually.

17 Q With any instrument assisting the anesthesiologist?

18 A Usually using a laryngoscope to lift the anatomical
19 structures **into** position. You can then **directiy**
20 **visualize**, with your **own eyes**, the vocal cords, and
21 watch the endotracheal tube **go between the** vocal cords,
22 into the trachea. Which some consider the gold standard
23 of tracheal intubation.

24 BY MR. BUCK: What was the **name** of the
25 scope?

1 BY DR. BRALLIAR: Laryngoscope.
2 Q How else can one confirm today tracheal intubation.
3 Strike that.
4 Was this visualization with the laryngoscope
5 available commonly in administering general anesthesia
6 in May of 1985?
7 A Yes.
8 Q Laryngoscopes have been around a long time, haven't
9 they?
10 A Yes.
11 Q Routinely used by all **general** anesthesiology
12 performing medical personnel for a long time, is that
13 right? Laryngoscopes.
14 A Routinely?
15 Q Yes.
16 A Not in every case.
17 Q Were they routinely available in May of 1985,
18 laryngoscopes?
19 A Yes.
20 Q So we talked about **two** ways to confirm tracheal
21 intubation. How about a third way?
22 A There are a variety of other clinical **observations**
23 that are available, not as good as **the two** I have
24 mentioned, all of which help to **confirm**, including
25 auscultation with the stethoscope.

1 Q And that's listening to the lung fields?

2 A Yes Observation, ventilation, chest wall motion in
3 some cases, the feel of the bag when one is ventilating
4 the patient.

5 Q And that's a manual bag that is squeezed by the
6 anesthesiologist, or the nurse anesthetist?

7 A By the anesthesia personnel involved. Condensation
8 of expired gases on the endotracheal tube help.

9 Q Before you get all the way through this list, hold
10 on to the list. I'm going to come back to the other
11 items on the list, but let me ask a couple of questions
12 about the bagging. Was Mary Lou Brown bagged prior to
13 trouble intervening, according to your review of these
14 records?

15 BY MR. LICATA: Objection.

16 A At a moment in time, yes.

17 Q Do you know at what moment in time she was being
18 bagged by Judy Doss? I mean the evidence in this case
19 is clear that Judy Doss is the one who was administering
20 the anesthesia. That's not a hidden ball trick. Do you
21 know when, at what point in the procedure, Judy Doss was
22 bagging Mary Lou Brown?

23 A Prior to incubation. I believe immediately after
24 intubation, Perhaps prior to removing the endotracheal
25 tube.

1 Q The first endotracheal tube?

2 A Yes. Probably after insertion of the second

3 endotracheal tube. Perhaps, I don't know, between the

4 two.

5 Q What is it about the bagging that helps make a

6 clinical judgment confirming tracheal intubation

7 possible?

8 A The fee, of the bag, as far as the gases exiting

9 and entering.

10 Q Does that feel change between an esophageal

11 intubation and a tracheal intubation, that feel of the

12 bag that you're describing?

13 A It certainly can.

14 Q Does it routinely change? Strike that.

15 Can you tell from bagging a patient, with your

16 training and experience, whether the esophagus or

17 trachea has been intubated?

18 A In most cases, I would expect to.

19 Q So now, I would like to finish **the other ways** 'that

20 a clinical determination can be made which **confirms** a

21 tracheal intubation.

22 A We haven't finished this question of how do I fee-

23 with the bag, as far as whether or not the tube is in

24 **the** trachea or in the esophagus.

25 Q I'm sorry. I thought you had finished the answer to

1 my question. I thought I had asked, could you tell the
2 difference, and I thought your answer was yes.

3 A I would expect to, but there are other things that
4 I am aware of, other than just the feel of the bag with
5 the gases exiting and entering.

6 Q Then please, I **don't** mean to cut you off. How else,
7 other than the entering and exiting gases in the bag
8 could you tell the difference between an esophageal and
9 a tracheal intubation?

10 A I would oftentimes expect to have a difference in
11 air sound, as far as a leak with an esophageal
12 intubation. I would expect to oftentimes hear a
13 difference in the tonal quality of the air sounds.

14 Q The tonal quality?

15 A Tonal, T-O-N-A-L. And I might expect to feel a
16 difference in the resistance of a good tracheal
17 intubation versus a nontracheal placement of the tube.

18 Q Which would provide **greater** resistance? A tracheal
19 intubation or some other intubation?

20 A It depends.

21 Q Now you have lost me. Is there a consistent degree
22 of resistance in a tracheal intubation **that** you could
23 palpate with the bag?

24 A There is a distinct feel.

25 Q And you're looking for that feel with a tracheal

1 intubation on the bag, is that it?

2 A That's one of the things, yes.

3 Q Now was there anything else you wanted to explain

4 to me about Sagging a patient that confirms tracheal

5 intubation?

6 A It doesn't confirm. it's just one of the facts that

7 helps...

8 Q Form the clinical judgment.

9 A The judgment.

10 Q I understand that.

11 A Your question now?

12 Q Is there anything else that you wanted to explain

13 to me, I didn't mean to cut you off, about the feel of a

14 bag confirming, or tending to corroborate?

15 A Not as far as the feel, no.

16 Q What other means of detection, and we have talked

17 about the feel of the bag, and the auscultation in the

18 endtidal carbon dioxide monitoring device, and the

19 visualization through the laryngoscope. Those are the

20 four ways you have described so far of confirming

21 tracheal incubation, right?

22 BY MR. LICATA: Did we have four? There

23 was something else. Condensation on

24 the.. .

25 BY MR. GOLDENSE: Condensation of

1 expired gases and observation of
2 ventilation, such as chest wall motion.

3 A That's correct.

4 Q And auscultation?

5 A Right.

6 Q Is there anything else you would care to add ~~to~~ the
7 list?

8 A Yes.

9 Q Please do.

10 A A technique that may be used is to actually
11 compress the chest, and to feel the air coming out
12 **through** the endotracheal tube.

13 Q What is that procedure called, or how would you
14 term that?

15 A I would call that a chest compression.

15 Q If **that's** what you want to call it, **that's** fine.
17 And by compressing the chest, you can then see what
18 happens to the **gases that** are being delivered through
19 the **anesthesia**, is that the idea?

20 A No, what I hear is it's tantamount to a Heimlich
21 maneuver. By pressing on the chest, I get air exiting
22 through the endotracheal tube from the lungs. I can hear
23 and/or feel the air.

24 Q Do you put the stethoscope on the endotracheal
25 tube, or how do you hear it?

1 A I put my ear right at the exit of the endotracheal
2 tube.
3 Q Right down next to the patient's mouth, where the
4 endotracheal tube is...
5 A Next to the endotracheal tube itself.
6 Q Running back to you: anesthesia gas machines?
7 A Before ■ hook them up to the tubes or anything.
8 else.
9
10 BY MR. ALLISON: Your first one was
11 endtidal CO2 monitor. Your second one
12 was direct visualization of the tube
13 going through the vocal cords and into
14 the trachea. Thirdly, you said there
15 was variety of other clinical
16 observations, such as auscultation with
17 a stethoscope, observation of
18 ventilation, like chest wall motion, the
19 feel of the bag as you ventilate the
20 patient with the bag, condensation of
21 expired gases in the tube, chest
22 compression.
23 Q is there anything else that you would like to add
24 to what I'm calling your laundry list of ways to
25 detect--I'm sorry, to confirm and corroborate tracheal
intubation.

1 BY MR. LICATA: Objection. You can
2 answer.
3 A I'm sure **there** are others. The absence of a leak.
4 Q A leak where?
5 A On inspiration, around the endotracheal tube, out
6 into the oral pharynx. Auscultation over the stomach.
7 Q How, by auscultating the stomach--by auscultating,
8 you're talking about using a stethoscope to listen to
9 sounds around **the** stomach?
10 A Yes.
11 Q How does that work? Explain that to me.
12 A I would, on an esophageal intubation, expect to
13 **hear** a distinct sound of air in the stomach with
14 ventilation. Whereas I would, **with** a tracheal
15 intubation, not hear..
16 Q Any sound.
17 A Vesicular breath sounds. You might hear some
18 transmitted sound, but it would be distinctly different.
19 Q **With** your trained ear, as it were, you would
20 recognize whether or not the gases were passing right
21 into the stomach, or if they were these transmitted
22 sounds from the lung fields, is that the idea?
23 A Hopefully.
24 Q How many of these methods do you routinely use,
25 Doctor, in your practice?

1 A Currently?

2 Q Yes.

3 A I basically use all of them if possible. And I
4 would use other findings if the patient were on
5 mechanical ventilation.

6 Q Was this patient on mechanical ventilation?

7 A In my opinion.

8 Q Yes. Was Mary Lou Brown on mechanical ventilation
9 in your opinion?

10 A At a moment in time, yes.

11 Q Once a patient is on mechanical ventilation, what
12 other methods would you employ to confirm or corroborate
13 tracheal intubation?

14 A I would still do the same observational evaluations
15 that I do when I bag the patient. I would also look to
16 see now the bellows of the ventilator are responding.

17 Q Anything else you would do with a mechanically
18 ventilated patient to confirm or corroborate tracheal
19 intubation?

20 A Not particularly.

21 Q What is capnography?

22 A Capnography is the measurement of the expired
23 carbon dioxide.

24 Q Is that a measurement that is part of what you call
25 the endtidal carbon dioxide monitoring device?

1 A Yes.

2 Q So you have accounted for what was discussed in Dr.

3 Kopsch's discussion as capnography as a relatively

4 modern method, is that correct? For corroborating

5 tracheal intubation.

6 A I would have to look at what he said.

7 Q You had seen his deposition. Do you recall that

8 testimony at all?

9 A In part.

10 Q Do you recall the testimony about the capnography?

11 A Not specifically.

12 Q All I'm trying to do is categorize it on this,

13 laundry list. I'm trying to **categorize** where

14 capnography fits on the laundry list that you have just

15 given me, and it would fit under the category of the

16 endtidal carbon dioxide monitoring devices, is that

17 correct?

18 A Yes.

19 BY MR. COLDENSE: Can you **make** a copy of

20 Dr. Alhamshari's **trial** testimony

21 deposition available to the witness? I

22 only have the one copy here.

23 (OFF THE RECORD)

24 Q Doctor, ■ have asked your attorneys to make

25 available to you a photocopy of the trial testimony **of**,

1 Dr. Alhamshari that was taken July 20th, 1988. Doctor,
2 why **don't** you start by turning to page 29?

3 A Yes.

4 Q Starring at line 17, *the* question was asked of *the*
5 doctor, what he did when she, Judy Doss, said
6 "bradycardia", and skipping from lines 18 to 25, he
7 indicates some initial things that he did, and I want to
8 direct your **attention** specifically now to page 30, line
9 13. If you read along here, you'll get the **text** of
10 where we were in the procedure when this line of
11 questioning **was** being asked, Picking up at line 13, my
12 specific question is, when asked, **what** did you then do,
13 the doctor, Alhamshari responded *that* he said, quote, I
14 went to the chest of the patient, i took a stethoscope
15 from one of the nurses, and I listened to breathing
16 sounds on both **sides** of the chest. I could not hear
17 adequate breathing, All I could hear is fine wheezing.
18 Skipping .then--I'm almost done with the testimony here.
19 Skipping then through the **rest** of the **pages** you see some
20 clarification, then at the top of page 31, some said
21 bradycardia, yes, someone said **asystole**, after that yes,
22 and then skipping down to the last three lines of the
23 page, starting on page 23, again the doctor was asked,
24 what did you *do* then?

25 A Page 23?

1 Q I'm sorry, line 23, I beg your pardon. He said, I
2 listened to the chest, both sides of the chest. I could
3 nor hear adequate air entry, and all I could hear is
4 fine wheezing. With that testimony in mind, Doctor, here
5 is my question. Is the testimony of Dr. Alhamshari
6 indicative of having heard a fine wheezing, consistent
7 or inconsistent with a tracheal intubation of this
8 patient during this procedure?

9 A Consistent...?

10 Q Is fine wheezing what you expect to hear when you
11 auscultate a lung field of a tracheally intubated
12 patient?

13 A No.

14 Q Now I am confused. Why is it not what you hear
15 when you auscultate the lung fields—let me see if I can,
16 ask a question that describes my confusion. Having
17 heard fine wheezing in the lung fields, Dr. Alhamshari
18 draws the conclusion that the patient was improperly
19 intubated, is that a correct statement?

20 A A correct statement, or a correct conclusion?

21 Q Have I correctly characterized Dr. Alhamshari's
22 thought process, as set forth in his testimony that
23 where he heard fine wheezing, he concluded that that was
24 an improperly placed endotracheal tube?

25 BY MR. LICATA: Objection. You can

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

answer.

A What I read here is that he auscultated and heard fine wheezing.

BY MR. BUCK: To save time, why don't you hypothecate it?

BY MR. GOLDENSE: I'm trying to find. There is a specific...

Q Page 37. Starting at line 5. The question was asked, Doctor, based upon your skill and care as a physician, and based upon the preadmission tests of Mary Brown, and based upon the events which occurred in the operating room on May 6th, 1985, do you have an opinion to a reasonable degree of medical certainty as to what was the cause of Mary Brown's death. Objection. Answer, In my opinion, the endotracheal tube was inserted not into the trachea, but into the esophagus. Question, And how is it that that caused her death? Objection. The answer was, The anesthesia gases and oxygen, rather than going into the lungs to go into the bloodstream, they were going into the stomach. And assume for purposes of my question that the testimony in the trial testimony of Dr. Alhamshari was to the effect that he based that opinion on what he heard when he auscultated the lung fields, when he was advised that bradycardia had intervened, the testimony we just read. Do you have an

opinion, based upon a reasonable degree of medical certainty as to whether or not Dr. Alhamshari properly diagnosed the cause of Mary Brown's death?

A Yes.

Q What is your opinion?

A He is in error.

Q Upon what basis have you drawn the conclusion that Dr. Alhamshari was in error?

BY MR. LICATA: Objection.

A All of the clinical information, review of the records, and my own training, experience, and expertise.

Q What significance, if any, do you attach to the finding of Dr. Alhamshari that he heard fine wheezing and not good air entry, which is his testimony when he auscultated the lung fields?

A I believe it's significant.

Q What significance does it have?

18 A I believe it has significance, one, that it tends
19 to confirm endotracheal Intubation.

20 Q It tends to confirm esophageal incubation, is that
21 what you said?

A In my opinion. At least to the degree that it is in
23 the trachea, perhaps further down, but still in the
24 airway, Two, I think the wheezing that is mentioned;
25 could also give confirmation to the presence of an

1 anaphylactic and/or anaphylactoid reaction.

2 Q I'm sorry. Give me that again. The presence of the

3 wheezing confirms...

4 A It could help confirm...

5 Q At: anaphylactic.

6 A ...or support.

7 Q An adverse reaction to the sinographen?

8 a It would be one of the supportive findings that one

9 might see in an anaphylactic or anaphylactoid reaction.

10 Q SO you have an opinion, based upon a reasonable

11 degree of medical certainty as to the cause of Mary Lou

12 Brown's death on May 6th, 1985?

13 A Yes, I do.

14 Q What is your opinion?

15 A I believe she had an anaphylactic or anaphylactoid

16 reaction to sinographen.

17 Q I asked you earlier what you hear when you

18 auscultate lung fields when you intubate a patient in the

19 airway, and you said that you don't hear fine wheezing,

20 is that correct?

21 A That wasn't your question.

22 BY MR. LICATA: Objection.

23 Q I'm going to try to get to this. When you auscultate

24 a patient's lung fields, following intubation in the

25 airway, what do you hear?

1 A It depends on the patient.

2 Q Okay. What about the patient causes different

3 reactions, different sounds?

4 A It depends on the reactivity of their airways. It

5 depends upon the placement of the endotracheal tube in

6 their airway.

7 Q Under what circumstances if any do you hear fine

8 wheezing when you auscultate the lung fields of a

9 properly intubated patient?

10 A The circumstances, is an individual patient

11 circumstance, the fact that the placement of the tube

12 initiates a physiologic response, causing bronchial or

13 constriction, which then results in the wheeze.

14 Q Doctor, you also have available to you the chart

15 from Booth Memorial. It's over there on you: right. Do

16 you recall reviewing in this chart an x-ray--I believe

17 it's page 16. An x-ray of the chest, which had findings

18 of gaseous distention of the stomach, the last line of

19 that x-ray report?

20 A I'm looking at it.

21 Q Do you remember seeing that before today, Doctor?

22 A The record?

23 Q Yes.

24 A Yes.

25 Q Do you remember the finding of gaseous distention

1 of the stomach?

2 A The report, yes.

3 Q Do you recall the testimony of Dr. Alhamshari in
4 his trial testimony, and assume that I properly read his
5 testimony. He drew two grounds for drawing his
6 conclusion of an esophageal intubation here. One was
7 what he heard when he auscultated the lung fields, which
8 we just went over, and the other was this x-ray finding
9 of gaseous distension of the stomach. My question to
10 you is whether or not you place significance--strike
11 that.

12 My question is, what significance do you attribute
13 to gaseous distension of a patient's stomach, as found
14 by x-ray following the difficulty in the surgery?

15 A In this case, none.

16 Q In placing no significance to that finding of
17 gaseous distension in the stomach, does your analysis
18 flow from your original conclusion that she was, in
19 fact, intubated in the airway, the trachea?

20 A Let me restate my answer to the last question
21 before we get to this.

22 Q Feel free.

23 A I may have misspoke myself when I said I placed no
24 significance in the finding. The fact that there is
25 gaseous distention reported on the x-ray does not

1 surprise me. Whether as a significant implication or
not is another speculation.

3 Q Try me again on that. How is it speculative?

4 A What I am saying is, I misspoke myself when I said
5 I placed no significance on the finding. I do place
6 significance on the finding.

7 Q What significance do you attach to the finding of
8 gaseous distention of the stomach?

3 A In this situation, in this case, to me it implies
10 that this patient may have been difficult to maintain an
11 airway without some gas entry into the stomach, when
12 they ventilated by mask, and/or she may have been an
13 aerophageic.

14 Q What is an--spell aerophageic for me.

15 A A-E-R-O-P-H-A-G-E-I-C, I believe.

16 Q What is an aerophageic?

17 A An air swallower, or air eater,

18 Q And that would account potentially for gas
19 distention in the stomach, is that the idea?

20 A It could.

21 Q Is there any indication in the record that this
22 patient was aerophageic?

23 A Not to my knowledge.

24 Q So to try to understand your analysis of Dr.
25 Alhamshari's conclusion, you reject as significant

1 gaseous distention of the stomach as proof of an
2 esophageal intubation, correct?

3 A By itself in this case, absolutely.

4 Q In this case, on these facts, with these records,
5 how else would you account for gaseous distention of
6 this patient's stomach, other than the potential for her
7 being aerophageic?

8 A As I just told you, in a short, obese lady, I would
9 not be surprised that some air entered the stomach when
10 they were ventilating by mask, prior to the first
11 intubation.

12 Q Enough air so that it would show up on the x-ray
13 report, subsequent to the trouble that she experienced?

14 A Absolutely.

15 Q Would there be any other causes for this patient,
16 on these records, with these facts, for a finding of
17 gaseous distention of the stomach?

18 A Other than what I have mentioned, I can't think of
19 any.

20 Q The thought I had to share with you was that maybe
21 there was something in the efforts to resuscitate her
22 that might have caused the gaseous distention in her
23 stomach. And you, of course, are aware that this woman
24 underwent cardiopulmonary resuscitation for a long time,
25 the better part of two hours, when she was on the

1 operating table.

2 BY MR. LICATA: Objection.

3 Q You are aware of that fact, right?

4 BY MR. LICATA: Objection.

5 A I don't know what the time relationship is between
6 the CPR and the chest x-ray.

7 Q Yes, the chest x-ray doesn't tell us what time it
8 was taken, does it? Okay, fair enough. Is there
9 anything about the efforts to resuscitate her that might
10 have caused the gaseous distention in her stomach?

11 A If she were ventilated by mask between the first
12 intubation into the trachea and the second.

13 Q Going back to now the deposition again of Dr.
14 Alhamshari.

15 A Which one?

16 Q Dr. Alhamshari, the one that's right there in front
17 of you, the July 20, 1988 deposition. There was a
18 cross-examination conducted by Mr. Licata of Dr.
19 Alhamshari. Do you recall reading it?

20 A Not specifically.

21 Q Let me characterize it for you a little bit, if I
22 may. Rather than have you sit here and read it all
23 again. He had some questions about whether or not Dr.
24 Alhamshari should have visualized cyanosis in the
25 peritoneum, specifically the area around the cervix,

1 when he was doing a PAP smear, and then later opening
2 the cervix to enter the--to inject the sinographen into
3 the uterus. Do you recall that testimony, that line of
4 questioning?
5 A Vaguely.
6 Q Here is my question specifically, is it your
7 opinion, based upon a reasonable degree of medical
8 certainty, that Dr. Alhamshari should have diagnosed
9 cyanosis in this woman's peritoneal cavity at the time
10 that he was performing his operative procedures?
11 BY MR. LICATA: Objection. Diagnosed?
12 Q Observed. Observed cyanosis.
13 BY MR. ALLISON: Peritoneal cavity?
14 BY MR. GOLDENSE: Yes. I mean he is
15 working at the cervix.
16 BY MR. ALLISON: That's like within
17 the...
18 BY MR. GOLDENSE: I understand. I'm using
19 a broad phrase.
20 A Peritoneal cavity?
21 Q Doctor, we know that this surgeon was performing a
22 D and C and a hysterosalpingography, is that correct?
23 A No, sir.
24 Q We know that that was his intended plan, is that
25 right?

1 A That's what the record says.

2 Q Very good, Doctor. So understanding that that's

3 what the record says, and none of us were there, and

4 we're relying on the records to determine that that's

5 what was underway, is it fair to conclude that Dr.

6 Alhamshari had an opportunity to observe cyanosis, if

7 any, in this patient?

8 A I would need further clarification.

9 Q Let's go to the operative note then. Page 25. The

10 third paragraph, where it starts out with the word

11 Procedure, colon, okay?

12 A Yes.

13 Q Starting then with about the third sentence, where

14 it says PAP smear was taken, and then reading the next

15 sentence, then preparation of the operation area was

16 done with Butadiene, including intravaginal prep, and

17 the operation area was draped in the usual manner. A

18 vaginal speculum was inserted into the vagina, and the

19 PAP smear was taken. This was done before the Betadine

20 preparation. Then after the Betadine preparation,

21 interior lip of the cervix was grasper! with a tenaculum

22 forceps, and the cervical canal was gauged with uterine

23 sound, and then slightly dilated with Hagar dilators

24 with the first two sizes. Then ten (10) cubic

25 centimeters srnographen **was** injected **into** the uterine

1 cavity, and preparation was done to have an x-ray of the
2 pelvis. But before the x-ray was the patient was done,
3 the patient developed bradycardia, period.

4 BY MR. LICATA: I'm going to object.

5 Q And then cardiac arrest, period.

6 BY MR. LICATA: Okay.

7 Q Taking that segment of time, beginning with the PAP
8 smear was taken, until the injection of the sinographen,
9 did Dr. Alhamshari have an opportunity to observe
10 cyanosis around the area of the cervix, where he was
11 beginning his operative procedure?

12 A It there were cyanosis.

13 Q Is there any indication anywhere in this record, or
14 anywhere in the autopsy report, or protocol from the
15 Cuyahoga County Coroner's Office that there was cyanosis
16 of this patient?

17 A No.

18 Q Do you have an opinion, based upon your training,
19 experience, and understanding of this case, as to
20 whether or not this patient would have had cyanosis in
21 the area around her cervix, where the PAP smear was
22 taken, and then the sinographen was injected? Do you
23 have an opinion?

24 BY MR. LICATA: Objection. Under what
25 circumstances?

1 BY MR. GOLDENSE: Under these
2 circumstances that I just read.
3 BY MR. ALLISON: At what point?
4 E-X :>(E* GOLDENSE: At the point in t i ~
5 thar ■ just read, from the time of the
6 PAP smear until the sinographen was
7 injected.
8 BY MR. LICATA: Assuming that she had an
9 endotracheal intubation, assuming she
10 had an esophageal intubation?
11 BY MR. GOLDENSE: He has already
12 testified...
13 BY MR. LICATA: Assuming how many minutes
14 into the procedure? That's the only
15 reason am objecting here.
16 BY MR. GOLDENSE: Wait a minute. He has
17 testified already that it's his opinion,
18 based upon a reasonable degree of
19 medical certainty, that this was an
20 endotracheal intubation.
21 BY MR. LICATA: Right.
22 BY MR. GOLDENSE: Okay?
23 BY MR. LICATA: Okay.
24 BY MR. GOLDENSE: So I'm taking that into
25 account.

1 BY MR. LICATA: Right. So there wouldn't
2 be any cyanosis.
3 BY MR. GOLDENSE: I want to hear it from
4 him.
5 BY MR. LICATA: I know that. I
6 understand. i thought that your
7 question, though, was to determine under
8 what circumstances Dr. Alhamshari would
9 have seen cyanosis. That's the only
10 reason I raised the objection, and if
11 you want to ask it the way you did,
12 fine.
13 Q From the time the PAP smear was taken, until the
14 sinographen was injected, according to the operative
15 report, under what circumstances if any would Dr.
16 Alhamshari have seen or could he have seen cyanosis in
17 the area around her cervix?
18 A Arcund and/or on?
19 Q Yes, both, arcund and/or on, in the area of her
20 cervix.
21 A If she were cyanotic.
22 Q Under what circumstances could he have seen
23 evidence of cyanosis?
24 A If she were cyanotic.
25 Q That's rather question begging, Doctor. Obviously,

1 what would ~~cause her~~ to be cyanotic?

2 A A variety of things could.

3 Q How large a universe of variety are we talking

4 ~~about?~~

5 A Five grams reduced hemoglobin.

6 Q What ~~causes~~ a five gram reduction in hemoglobin?

7 A A variety of things.

8 Q My question is how many things? Are we talking

9 about two things, or two hundred (200) things, for this

10 patient, under this procedure?

11 A I ~~cannot~~ assume that, because there, as you said,

12 is nothing to indicate cyanosis, You're asking me to

13 assume something that I can't assume. All I can tell you

14 is thar if cyanosis were present, I would have expected,

15 under the parameters that you gave me, for Dr.

16 Alhamshari to have recognized it.

17 Q I'll come at it another way. Upon your review of

18 this chart, the evidence that you have seen by way of

19 deposition testimony, do you have any particular

20 criticism of the care and management that Dr. Alhamshari

21 provided to Mary Lou Brown on May 6th, 1985?

22 BY MR. LICATA: Objection. You can!

23 answer. I mean that's not why he was

24 retained, but go ahead and answer If you

25 know the answer.

1 A Yes, I do.

2 Q What are the areas of your criticism of Dr.

3 Alhamshari?

4 A I think that Dr. Alhamshari, according to his

5 deposition, did not respond in an appropriately speedy

6 manner after the cardiovascular collapse of the patient,

7 following the sinographen injection.

8 Q In the variety of things that cause cyanosis for

9 Mary Lou Brown, in the area on or around her cervix, is

10 cardiac collapse one of those variables causing

11 cyanosis?

12 A The cardiac collapse, as I understand it, occurred

13 after the injection of the sinographen.

14 Q Absolutely correct.

15 A Which would then have occurred when Dr. Alhamshari

16 was not visually inspecting the vaginal vault or the

17 cervix.

18 Q Do I take your criticism to mean **that** he did not

19 **respond** as quickly to the emergency that he was faced

20 **wrth** as he should have under the circumstances?

21 A I don't believe ne responded appropriately.

22 Q What was inappropriate abouc the response that he

23 **undertook**?

24 A According to his deposition, I think there was an

25 inappropriate delay In the cardiac massage being

1 instituted. I also think that he should *have* considered
2 the possibility under the clinical circumstances that
3 occurred of anaphylactic or anaphylactoid reaction and
4 instituted appropriate treatment.

5 Q Let's get to that. You testified earlier that you
6 have never seen an **adverso reaction** defined as
7 anaphylactic or anaphylactoid, to the induction of
8 sinographen in your experience, is that right?

9 A Yes.

10 Q From whence do you have information about the
11 existence of anaphylactic or acaphylactoid reaction *eo*
12 the induction of sinographen?

13 A From my background, training, and experience, I
14 know the distinct possibility of such an occurrence.

15 Q Have you done any particular research into this
16 area since being retained to testify in this case? The
17 area being the adverse reaction to sinographen?

18 A I did make an inquiry of a colleague.

19 Q in what field of medicine was your colleague
20 engaged?

21 A Anesthesiology.

22 Q As a result of your inquiry, what did you learn?

23 A Ee gave me a report documenting an anaphylactic
24 reaction to sinographen.

25 Q Where is that report?

1 A At home.

2 Q Can you tell me about the report? Where is it

3 published? Where can I find it?

4 A I don't have chat right now.

5 BY MR. GOLDENSE: Mr. Licata, on your

6 list of things that I would like the

7 doctor to provide for me, I would like a

8 copy of the report that he got from his

9 colleague, upon which he provided us

10 testimony today, setting forth the

11 adverse reaction, anaphylactic or

12 anaphylactoid to sinographen. Would you

13 make that available for my inspection

14 and copying?

15 BY MR. LICATA: I don't see why not.

16 I'll let you know if there is a problem.

17 Q Tell me about the report. Is it in a regular

18 journal, or was it a particular study? Can you tell me

19 anything about the report?

20 A I did not see the report per se. He did a search on

21 his computer.

22 BY MR. LICATA: Doctor, do you have a

23 copy of that ~~report~~ or was it just

24 something you discussed wrth him after

25 the search?

1 BY DR. BRALLIAR: He sent me a note with
2 some information that I can't say
3 specifically what it was, but I can
4 provide it.

5 Q In addition to talking to the colleague, and in
6 addition to relying on your background and training, do
7 you have any other sources for your knowledge of the
8 existence of the risk of adverse reaction to
9 sinographen?

10 A Information as to the adverse reaction to
11 radiographic dyes, in general.

12 Q Now, is there a difference between water based
13 dyes, and oil based dyes, as it pertains to the risk of
14 adverse reaction?

15 A Yes.

16 Q Is it a correct statement that there is a much
17 lower incidence of adverse reaction with water based
18 dyes than oil based dyes?

39 A What type of adverse incidents?

20 Q I'm sorry. Earlier, I told you that we were going
21 to define adverse reactions as anaphylactic shock or
22 anaphylactoid shock, so I didn't have to repeat that
23 twenty (20) times. Is there a difference between the
24 oil and the water based contrast mediums?

25 A Not that I know.

1 Q What other adverse reactions go with sinographen,
2 according to the research, and the review that you have
3 conducted, as far as adverse reactions?

4 A I didn't do research, and I didn't do review of the
5 literature.

6 Q You've read no literature at all about sinographen
7 preparatory to today's deposition?

8 A No.

9 Q Other than this report from your colleague?

10 BY MR. LICATA: Which he didn't read.

11 A I did not read the report. That's true.

12 Q Can you cite me, out of your training, your
13 educational training and background, to any text or any
14 writing that you're familiar with, supporting the
15 relationship of anaphylactic or anaphylactoid reaction
16 to induction of sinographen?

17 A I can only refer you to what I said, as far as the
18 potential anaphylactic or anaphylactoid reaction to
19 radiographic dyes, which I mentioned.

20 Q Now radiographic dyes generically is a much
21 larger--is a large category of which sinographen is one
22 particular subset, is that the idea?

23 A In my opinion.

24 Q There are all kinds of radio opaque contrast
25 mediums that are used by the medical community to inject

1 into the human body to take x-rays, LE that right?

2 A There are a variety.

3 Q if I understand the limitation in your testimony,

4 or how you limited your answer, your answer is that you

5 know that the general category of radio opaque contrast

6 mediums has with them a risk of anaphylactic or

7 anaphylactoid shock, correct?

8 A Among other things.

9 Q Yes. Your testimony in this case is that's what

10 Mary Lou Brown had, an anaphylactic or anaphylactoid

11 shock **reaction**, correct?

12 A That's my opinion.

13 Q Now if I understand you, then what you did was with

14 that general piece of knowledge, which you had with you

15 for presumably a long time, is that the Idea?

16 A I don't know what you mean by a long time.

17 Q The fifteen (15) years, since you *have* been

18 practicing since 1972 **at** Huron Road Hospital, let's just

19 take that period of time, you were aware during the

20 197--what was it? '72, that you started at Huron Road?

21 A Yes. As a staff.

22 Q '72 to '85, the thirteen (13) years prior to this

23 treatment, you were aware, while you were on staff *at*

24 Huron Road, that there could be these adverse reactions,

25 anaphylactic or anaphylactoid, to contrast medium, is

1 that correct?

2 A Yes.

3 Q Prior to this case, the case that you have been
4 retained on for the defense, had you ever in your
5 training or background, run across knowledge that
6 sinographen, as a particular type of radio opaque
7 contrast medium, could, having been induced in a
8 patient, result in anaphylactic or anaphylactoid shock?

9 A Only in the sense that any foreign substance can.
10 But it was not excluded.

11 Q You say it was not excluded. The flip side of that,
12 was it included in your specific knowledge,
13 sinographen...

14 BY MR. LICATA: I object.

15 Q ...as a cause of anaphylactic or anaphylactoid
16 shock?

17 BY MR. LICATA: ■ object to that, and I
18 think he's indicated to you that they
19 are all included unless they are
20 excluded. That's the way I understand
21 it, but...

22 BY MR. GOLDENSE: That's what I was
23 asking.

24 A That's what I just said, as I understand it.

25 Q So what you went to your colleague with was a

1 question, how about sinographen as a competent producing
2 cause of an anaphylactic or anaphylactoid shock
3 reaction. Was that the question you asked him?

4 BY MR. LICATA: Objection.

5 A Not specifically that I recall.

6 Q What was your reason in consulting with a
7 colleague?

8 A I asked him if he had any awareness of any reports
9 of anaphylactic reactions under anesthesia to
10 sinographen or radiologic dyes.

11 Q And the report that he produced for you, was it a
12 report where sinographen was the contrast dye?

13 A I did not see the report.

14 Q What did you see?

15 A He sent me a note with a printout from his
16 computer, and it's my belief that it mentioned
17 sinographen specifically, but I would have to look to be
18 sure.

19 Q What you're going to produce for me, then, is this
20 computer printout from your colleague that he got from
21 his computer search?

22 A That's my understanding.

23 Q I want to make sure that I have developed all the
24 sources of your information for the basis for your
25 testimony today. You have this computer search printout

1 from your computer. Your testimony was that you did not
2 literature research **into** sinographen **per se**, as a
3 competent producing cause of **adverse** reaction to
4 sinographen, correct?

5 BY MR. ~~LICATA~~ No, there is...

6 A I don't have a computer.

7 BY ~~MR.~~ LICATA: No, there is an
8 independent research.

9 BY MR. GOLDENSE: Yes, exactly.

10 Q Yes, going to the library or doing--you do research
11 all the time, don't you, Doctor?

12 A No.

13 Q You **don't**? God bless you. Okay. You have relied
14 on your training, and background, and experience with
15 **sinographen** and other contrast medium as a ~~basis~~ for
16 your opinion today, is **that** correct?

17 A No.

18 BY MR. LICATA: As the basis for his
19 opinion with respect to **sinographen**
20 causing anaphylactic or anaphylactoid
21 reaction.

22 BY MR. GOLDENSE: Yes.

23 BY ~~MR.~~ LICATA: The only reason he said
24 based on your opinion today, and he has
25 **expressed** a lot of opinions, that's all.

1 Q Your opinion that I am referring to is your key
2 opinion, as far as I'm concerned, that this woman died
3 of an adverse reaction, either anaphylactic or
a anaphylactoid, after the induction of sinographen, okay?

5 A Yes.

6 Q That's the opinion to which I refer.

7 BY MR. LICATA: There are several
8 opinions he has regarding this case. So
9 I just...

10 Q Yes, that's the opinion I'm talking about right now
11 for the purpose of my next question. In reaching that
12 conclusion, your sources of authority are your training,
13 background, and experience, and just this one computer
14 search that a colleague did at your request, from which
15 he gave you a note from his computer search, is that
16 correct?

17 A No.

18 Q What other sources of authority have you relied on
19 to reach the conclusion that sinographen caused an
20 anaphylactic or anaphylactoid reaction in Mary Lou Brown
21 on May 6th, 1985?

22 A As I said, the readings I have undertaken include
23 radiographic dyes. they did not exclude sinograpnen.
24 All substances, including all radiographic dyes are
25 potential causes.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

BY MR. LICATA: And when you're asking him for what he has based his opinion on, you're not intending to exclude him from relying on the depositions in the chart. I mean I assume you're referring strictly to the...

BY MR. GOLDENSE: No, no. I'm talking about outside research.

BY MR. LICATA: That's fine.

Q Extrinsic to this evidence is what I am trying to inquire into now. According to this reading that you have told me you've done, about the incidence of adverse reactions, anaphylactic or anaphylactoid to the induction of radio opaque dye, what is the frequency in the literature, from your reading, of the incidence of anaphylactic or anaphylactoid shock? I'm trying to get a percentage chance of this occurring.

BY MR. LICATA: Objection. I don't think he...

BY MR. GOLDENSE: If he knows, he knows.

BY MR. LICATA: But what you asked him is based on the research and the literature, and I think he said he didn't look at any research in the literature.

BY MR. GOLDENSE: He *said* chat
about--wait.

Q You did read about contrast dyes, did you not?

A No.

Q What did you just tell me about: your reading about
the general category of contrast dye mediums?

A I said that in my reading of anaphylactic or
anaphylactoid reactions, that they could occur as a
result of the injection of any foreign substance. in
this category, radiographic dyes are mentioned as a
frequent cause of this occurrence. There were no
specific exciusions or' sinographen, and there were no
percentages mentioned.

Q So the answer to my question is, you cannot ascribe
a frequency for me on a percentage basis?

A No .

Q And you testified, you have never see it yourself?

A Seen what?

Q ~~An~~ adverse reaction to the induction of a contrast
dye medium, anaphylactic or anaphylactoid, in your
experience.

A That's not true.

Q Oh, you have never seen it with respect to
sinographen, an adverse--you have never seen an adverse
reaction to sinographen in your experience, is that

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

right?

A I have never seen an anaphylactic or anaphylactoid reaction to sinographen in my experience.

Q Have you ever seen an anaphylactic or anaphylactoid reaction to any other contrast dye medium in your experience?

A No.

Q Okay. What would be your best estimate, and obviously it can only be an estimate, as to the number of occasions in your professional life, where you have been involved in an anesthesia management situation, where sinographen was used as a contrast medium?

BY MR. LICATA: Objection.

Q It's an estimate, I understand that.

A I can't give you an estimate.

Q Is it more than fifty (50)?

A I have no idea.

Q You don't know if it's--have you ever seen it? Have you ever been an anesthesia management situation, where sinographen has been used by a surgeon, or someone such as Dr. Alhamshari in this case, for a contrast medium?

A I can't say for sure.

Q Can you say for sure that you have been involved in anesthesia management of patients, where contrast medium

1 have been induced into their body?

2 A Yes.

3 Q Can you give me an estimate as to how many
4 occasions you have been in such cases in your
5 professional career?

6 A My best guess would be several hundred.

7 Q Go back to the question, in those several hundred,
8 and we understand it's only an estimate, in those
9 several hundred procedures, you have never witnessed an
10 anaphylactic or anaphylactoid shock reaction in any of
11 those cases?

12 BY MR. LICATA: Objection. That's not
13 what he said.

14 BY MR. GOLDENSE: That's what I'm asking.

15 Q Have you ever, in those several hundred cases, seen
16 a patient sustain an anaphylactic or anaphylactoid
17 reaction, after the induction of a contrast medium?

18 A Possibly.

19 Q It's hard for you to recall, is that it? When you
20 say possibly.

21 A No.

22 Q Do you have a specific case in mind?

23 A I have seen reactions to the dye. I can't say that
24 they were necessarily anaphylactic or anaphylactoid.

25 Q In any of those cases where there was a reaction,

1 was there cardiac arrest and death?

2 A No.

3 Q In any of those cases, do you recall the patient

4 developing bradycardia?

5 A Perhaps.

6 Q Why do you qualify your answer? Because you cannot

7 recall, or because you cannot recall if bradycardia was

8 the reaction?

9 A Because I'm sure that of all those patients I've

10 done, that during the anesthetic course, there have been

11 episodes of bradycardia, not necessarily associated with

12 tee dye.

13 Q Fair enough. In your criticism of Dr. Alhamshari,

14 if I understood you, you thought that he had responded

15 inappropriately to the emergency that he was presented

16 with, including his failure to consider the possibility

17 of an adverse reaction to sinografin, is that a correct

18 characterization of what you said?

19 A That was one of the things, yes.

20 Q How would having considered an adverse reaction to

21 the sinografin, as the cause of the patient's distress,

22 lead him to manage her in a different fashion?

23 A You have to diagnose the condition in order to

24 treat it. If you don't diagnose it or think of it, you

25 can't institute treatment.

1 Q Of course.

2 A I think that his treatment would have been much

3 more aggressive with volume expansion, adminis...

4 Q Volume expansion of what, Doctor?

5 A Volume expansion of the intravascular circulating

6 volume. I think he would have been more aggressive with

7 the administration of epinephrine. I think he would

8 have been more aggressive with the immediate institution

9 of cardiac massage, according to his testimony, and I

10 think he would have been more alert to the

11 administration of other drugs.

12 Q For instance?

13 A Steroids perhaps.

14 Q What would steroids have done for this patient?

15 a I don't know. She never received them.

16 Q Good, right. Why would you have suggested or

17 considered steroids for this patient?

18 A They are part of the treatment in allergic

19 reactions.

23 Q What do they do? What function do they perform?

21 A They perform a function of anti-inflammatory

22 response. They reduce some of the effects of the

23 allergic reaction. He would have probably also, in the

24 course of his treatment, if the patient survived, added

25 some antihistamines. But the primary things that he

1 would have done is volume expansion and adrienaline and
2 cardiac massage.
3 Q Now which of those criticisms also apply to Dr.
4 Hahn?
5 A None.
6 Q Was he not an anesthesiologist called in to the
7 management of this case, as soon as the circulating
8 nurse could get him to the operating room?
9 A He was called in to help.
10 Q Is he not responsible for helping reach a diagnosis
11 as to what's wrong with this patient?
12 A He was not there when the diagnosis had to be made.
13 Q Where do you draw that conclusion?
14 A As I understand it, Dr. Hahn was not in the room at
15 the moment that Dr. Alhamshari injected the sinographene.
16 Q True, but...
17 A And as I also said...
18 Q Wait, in fairness, though, isn't Dr. Hahn within
19 the room, clearly from his testimony and the deposition
20 testimony of Judy Doss, within a few minutes after the
21 code was called?
22 BY MR. LICATA: True.
23 A Yes.
24 Q Then why--is he exonerated from having to reach a
25 diagnosis as to what was wrong with this patient,

1 whether it be an anaphylactic shock or an anaphylactoid
2 shock reaction?

3 A Are you assuming he's responsible?

4 Q No, I'm asking you. You're the expert. I'm just a
5 lawyer.

6 A You asked me if he was exonerated. I haven't
7 established that he was responsible.

8 Q Wait a minute, Doctor. Now you're splitting hairs
9 with me. You're exonerating him in your letter,
10 saying--I mean you're under oath today, and you're
11 written a letter. You've said, it's my professional
12 opinion that their care, referring to Doss and Hahn, in
13 no way directly or indirectly contributed to the
14 complications with occurred to the patient on May 6th,
15 1985, ultimately resulting in her death. So you're the
16 one who has exonerated these people.

17 BY MR. LICATA: Objection.

18 Q Now my question is, does Dr. Hahn have any
19 responsibility at the moment when he is in the emergency
20 situation in the operating room, to reach a diagnosis as
21 to what happened to this patient?

22 BY MR. LICATA: Objection.

23 Q Does he have a responsibility to diagnose what was
24 wrong with this patient in the operating room? That's
25 my question.

1 A No.

2 Q Why not?

3 A That's such a broad inclusive category.

4 Q What's broad?

5 A Dr. Hahn does have responsibilities. But his

6 responsibilities don't include being the diagnostician

7 for every possible intraoperative event that could

8 occur.

9 Q You are a board certified anesthesiologist, right?

10 A Right.

11 Q Dr. Hahn is an anesthesiologist, and whether or not

12 he is board certified isn't relative to my question.

13 You have made a determination diagnostically as to what

14 happened to this patient, as an anesthesiologist, based

15 on **what** happened intraoperatively. Why are you sitting

16 here today in any different position than Dr. Hahn was

17 in the operating room, immediately after the code was

18 called? What's different between you and him?

19 BY MR. LICATA: Objection to that

20 question.

21 A What do you mean what's different?

22 Q Why can you take responsibility for determining

23 what caused Mary Brown's death and diagnosing it today,

24 on a review, and Dr. Hahn, according to you, has no

25 responsibility for reaching the same diagnosis, when he

1 was there in the operating room?

2 BY MR. LICATA: Are we talking about the
3 anesthetic care and management, are we
4 talking about hindsight, are we talking
5 about the events and circumstances as
6 Dr. Hahn faced them after the
7 bradycardia occurred, and she went into
8 cardiac arrest? I mean I object to that
9 question.

10 BY MR. GOLDENSE: We're talking about the
11 circumstances of the bradycardia and the
12 cardiac arrest that Dr. Hahn faced when
13 he walked into the operating room. He
14 has criticized Alhamshari for not
15 responding speedily.

16 BY MR. LICATA: In his capacity as the
17 general surgeon, responsible for the
18 surgery.

19 BY MR. GOLDENSE: Wait a minute now.
20 Don't dare suggest the answer to your
21 witness again...

22 BY MR. LICATA: I'm not.

23 BY MR. GOLDENSE: ...on a critical issue.

24 BY MR. LICATA: I am not suggesting...

25 BY MR. GOLDENSE: It is a suggestion.

1 BY MR. LICATA: No, it isn't.
2 BY MR. GOLDENSE: You're telling the man
3 exactly what the answer has to be.
4 BY MR. LICATA: No, I'm not.
5 BY MR. GOLDENSE: Louis, please.
6 BY MR. LICATA: He said that Dr. Hahn had
7 responsibilities. He never said that Dr.
8 Hahn didn't have responsibilities.
9 You've got two sections of inquiry here,
10 and you're trying to merge them
11 together.
12 BY MR. GOLDENSE: And if they are not to
13 be merged, let me hear it from the
14 witness, and not from you, please.
15 BY MR. LICATA: I think he has said that.
16 BY MR. GOLDENSE: No, he has not. You
17 want him to, and he will now.
18 BY MR. LICATA: The record will speak for
19 itself, because he has said that.
20 Q What exactly are the responsibilities of the
21 anesthesiologist called in to a code--strike that.
22 What were the responsibilities for Dr. Hahn on May
23 6th, 1985, when the code was called, and he showed up in
24 the operating room? What were his responsibilities at
25 that moment in time?

1 A To help in any way that he could, for the best
2 outcome of the patient.

3 Q Fine, does that assistance, which is his
4 responsibility, including reaching a diagnosis as to
5 what caused this emergency situation?

6 A No.

7 Q You're putting that entirely on the surgeon,
8 because it happened intraoperatively, is that correct?

9 A No.

10 Q Where does the responsibility fall for diagnosing
11 what happened to Mary Lou Brown, at the moment that the
12 code was called, and Hahn and Alhamshari were in the
13 room?

14 A As I understand it, Alhamshari was in the room when
15 Dr. Hahn was not in the room. Dr. Alhamshari injected
16 the sinographen as part of the surgical procedure. It
17 was immediately after that injection that the patient
18 nas this catastrophic cardiovascular collapse, before
19 Dr. Hahn was anywhere around. As I said before, in my
20 opinion, it was the responsibility of Dr. Alhamshari,
21 knowing that he had just injected the sinographen, and
22 that the patient immediately, temporally, after the
23 injection, had cardiovascular collapse, to me it's
24 standard of care for: him to consider in his diagnosis,
25 based upon the clinical temporal relationship that

1 occurred, that this patient could have had an
2 anaphylactic or anaphylactoid reaction, and responded
3 immediately. As I said before, this can occur within
4 seconds to minutes, and as a matter of fact, this
5 patient with an anaphylactic or anaphylactoid reaction,
6 can actually die within minutes. So this could have all
7 been an issue that happened when Dr. Alhamshari was
8 there, before Dr. Hahn even arrived, within a few
9 minutes. It could have been too late.

10 Q In your experience and training, how long a window
11 of opportunity exists for the physician in the OR to
12 save the patient's life after the anaphylactic or
13 anaphylactoid reaction occurs?

14 BY MR. LICATA: Objection.

15 Q How big a window of opportunity do you have to save
16 the patient?

17 BY MR. LICATA: Objection. When you say
18 physician, you're referring to the
19 general surgeon?

20 BY MR. GOLDENSE: Yes.

21 A As I said, this could be, to save the patient, a
22 matter of minutes, or a matter of a longer period of
23 time.

24 Q What determines time length of the window of
25 opportunity to save the patient's life?

1 A The individual response at that moment is an
2 individual variability that can't be predicted, except
3 to say that if you are going to be successful, speed is
4 of the essence, and appropriate treatment is of the
5 essence, if you wish that opportunity.

6 Q And you cannot answer how long the window lasts
7 before the patient is gone irretrievably, is that the
8 answer?

9 BY MR. LICATA: Objection. I think he
10 said it varied on the patient.

11 Q What is your testimony, Doctor? Is there any way
12 for you to put a number on the window?

13 A I know that it can be a very short period of time.

14 Q How short?

15 A I already told you.

16 Q You said seconds or minutes. Can you be any more
17 precise than that?

18 A Seconds to minutes for the onset. The patient can
19 die within minutes, or a longer period.

20 Q What's the fewest number of minutes that the
21 patient could die? What's the worst case scenario?

22 A The fewest number?

23 Q Yes.

24 A I can't say.

25 Q And on the best case scenario, how long could a

1 patient survive an anaphylactic reaction, before the
2 institution of the treatment that you suggested?

3 A It depends upon the severity of the anaphylactic or
4 anaphylactoid reaction.

5 Q My only question is, how long can it be?

6 A Before what?

7 Q Before--how long can it be for the general surgeon
8 to delay those modes of treatment that you earlier
9 suggested that Dr. Alhamshari should have? I'll
10 withdraw the question.

11 We know from Judy Doss' testimony that she was in
12 the operating room throughout the period of time when
13 the sinographen was injected and this reaction,
14 according to your testimony developed, is that correct?
15 We know that from her testimony.

16 A Yes.

17 Q What responsibilities did Judy Doss, as a CRNA have
18 in the care and management of Mary Lou Brown, once the
19 bradycardia was developed and was known, and signaled by
20 her to Dr. Alhamshari?

21 A To do exactly as she did.

22 Q What exactly did she do?

23 A To notify Dr. Alhamshari, to have the nurse call
24 for Dr. Hahn, to reassess the adequacy of ventilation.

25 Q Is it your testimony that she did all of those

1 things in a manner that was within the standard--within
2 the acceptable standard of care that applied to her
3 under those circumstances?

4 A I don't know that there are standards specifically
5 listed, but it's my opinion that she responded in a
6 professional, competent and appropriate manner in this
7 situation.

8 Q Other than checking the airway, and calling for Dr.
9 Bahn to respond, and advising Dr. Alhamshari of the
10 patient's condition, the three things which you said she
11 did, was there anything else that she should have done
12 when the bradycardia developed during the surgical
13 procedure?

14 BY MR. LICATA: Objection. I'm not sure
15 he said checking the airway.

16 Q Didn't you say checking the airway?

17 A Reassess.

18 BY MR. LICATA: Reassess.

19 A Adequacy of ventilation.

20 BY MR. LICATA: Reassessing adequacy of
21 ventilation -- different. That's why I
22 objected.

23 Q Other than those three things, reassessing the
24 airway, and calling the doctor, and telling Dr.
25 Alhamshari what happened, is there anything else that

1 you believe she should have done?

2 A Yes.

3 Q That she should have done? What else should she

4 have done?

5 A Exactly what she did.

6 Q What else did she do?

7 A She turned off the anesthetic agents and turned on

8 oxygen.

9 Q And you believe that was done in a speedy manner by

10 her?

11 A Absolutely.

12 Q Absolutely. That's your response, absolutely, she

13 did do **that** in a speedy manner?

14 A My answer **is** absolutely to your question.

15 Q Is there anything else that she should have done at

16 that point in time?

17 A There are **other** things that could have been done at

18 that time, but I would not hold her to be responsible to

19 think of **them** as a physician might.

20 Q Because as a CRNA, you're not going to hold her to

21 **the** same standards that you would hold a physician, is

22 that correct? A physician anesthesiologist.

23 **ES.**

24 BY MR. GOLDENSE: Subject to having Dr.

25 Bralliar produce for my inspection this

1 whatever it is, computer search
2 note, from his colleague and all of the
3 correspondence between your office,
4 whether it be from you or Mr. Allison,
5 or paralegals, or anybody else, related
6 to the Mary Lou Brown case, I have no
7 further questions at this time.

8 BY MR. LICATA: I'm going to state for
9 the record right now, we have no
10 intentions of producing Dr. Bralliar
11 again without a court order. So if you
12 have any questions. I can show you the
13 four letters **that** we sent to him, if you
14 would like to look at those letters.
15 Just show it to him. As long as he
16 doesn't mark on it, we can always give
17 him a copy after the deposition.

18 BY MR. GOLDENSE: **These** are in some sort
19 of chronological order?

20 BY MR. ALLISON: Reverse chronological at
21 the moment, I **believe**.

22 (OFF THE RECORD)

23 BY MR. GOLDENSE: For the record, at my
24 request, Mr. Licata has produced from
25 his file, correspondence under cover of

1 November 2, 1987, correspondence of
2 November 11, 1987, again on Arter and
3 Hadden letterhead, November 28, 1987,
4 again on Arter and Hadden letterhead,
5 and August 29, 1988 on Arter and Hadden
6 letterhead.

7 Q Doctor, the only question that occurs to me is,
8 other than this one letter that your attorney--that
9 defense counsel has provided to me that I am shoving you
10 here, Doctor, have you written any other letters to Mr.
11 Licata arising out of your analysis of this case?

12 A No.

13 Q This is the only letter you have ever authored?

14 A Yes.

15 Q This letter being the February 17, 1988 letter,
16 correct?

17 A Correct.

18 BY MR. GOLDENSE: I'm not going to
19 request a waiver of signature.

20 BY MR. LLCATA: We won't waive anyway.

21 BY MR. GOLDENSE: I was going to render
22 it moot. I have no further questions.
23 Thank you, Doctor.

24 BY DR. ERALLIAR: Thank you.

25 (END OF DEPOSITION)

I have read the foregoing page 1 through page 105
and note the **following corrections:**

PAGE	LINE	CORRECTION
------	------	------------

THOMAS BRALLIAR

CERTIFICATE

The State of Ohio) ss
County of Cuyahoga)

I, MARC EPPLER, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the abovenamed THOMAS BRALLIAR, was first duly sworn to testify the truth; that the testimony then given by him was tape recorded and reduced to writing; that said deposition was taken and that it was completed without adjournment; that I am not a relative or counsel of either party or otherwise **interested** in the event of this action.

IN WITNESS WEEEEECF, I have hereunto set my hand and seal of office in Cleveland, Ohio this 28th day of JULY, A.D., 1989.

A handwritten signature in cursive script, appearing to read "Marc Zpdler", written over a horizontal line.

MARC ZPDLER
Notary Public
State of Ohio
My commission **expires**
10-4-93



THE CLEVELAND CLINIC FOUNDATION

9500 Euclid Avenue Cleveland, Ohio 44106

A National Referral Center .in international Health Resource

DIVISION OF ANESTHESIOLOGY
216/444 6339

February 17, 1988

Mr. Lewis J. Licata
Arter & Hadden
1100 Huntington Bldg.
Cleveland, Ohio 44115

RE: Curtis Ray Brown, et al versus
Kamal El Hamshari, M.D., et al
Cuyahoga County Common Pleas Court
Case \$98756


Dear Mr. Licata:

I have reviewed the following:

1. The Booth Memorial Hospital records for Mary Lou Brown covering her admission and surgery on May 6, 1985;
2. The autopsy protocol on Mary Lou Brown;
3. The deposition, testimony of the operating surgeon Kamal El Hamshari, M.D. ;
4. The deposition, testimony of the anesthesiologist Young S. Hahn, M.D.; and
5. The deposition, testimony of the C.R.N.A. Judy Ann Daus.

After reviewing the above material, it is my professional opinion that the anesthesiologist Young S. Hahn, M.D., and the C.R.N.A. Judy Ann Daus, provided anesthesia care for Mary Lou Brown's surgery on May 6, 1985, which was well within the acceptable standard of care. Furthermore, it is my professional opinion that their care in no way, directly or indirectly, contributed to the complications which occurred to the patient on May 6, 1985, ultimately resulting in the her death.

Sincerely yours,



Thomas B. Bralliar, M.D.
Staff Anesthesiologist
Department of General Anesthesiology
Cleveland Clinic Foundation

CURRICULUM VITAE

Thomas B. Bralliar, M.D.

22089 Shaker Blvd.
Shaker Heights, OH 44122

I. PERSONAL DATA

Date of Birth: November 10, 1941
Place of Birth: Wickenburg, Arizona

II. EDUCATION

	DEGREE	DATES
Undergraduate School University of Tennessee Knoxville, Tennessee	B.S.	1959-1963
Graduate School University of Arizona Tucson, Arizona		1963-1965
Medical School University of Tennessee Memphis, Tennessee	M.D.	1965-1968

III. HOSPITAL TRAINING

Internship Huron Road Hospital Cleveland, Ohio	1969
Residency in Anesthesiology Huron Road Hospital Cleveland, Ohio	1970-1971

IV. PROFESSIONAL APPOINTMENTS

Cleveland Clinic Foundation Department of General Anesthesiology Cleveland, Ohio Staff Anesthesiologist	1987-Present
Member Education Committee / 1988- to present Academic Committee, Chairman / 1988 to present Education Governing Group / 1980 to present Anesthesiology Division Committee / 1989 to present Committee for the Review of the Chairman of the Division of Education 1989	

Huron Road Hospital Department of Anesthesiology Cleveland, Ohio Staff Anesthesiologist	1972-1987
--	-----------

Member

Clinical Competence Committee, Chairman / 1972-1987
Quality Assurance Committee/ 1972-1987
Resident Selection Committee/ 1972-1987

Director of Residency Education / 1976-1987

Duties entailed responsibility for determining and assigning all departmental academic activities and ensuring that all residents receive sufficient, academic, and clinical instruction to become "boarded." I prepared approximately 11 examinations and 52 lectures and/or conferences per year.

Associate Chairman / 1984-1987

Vice President - H.R. Anesthesia Service Inc. / 1984-1987

In addition to administrative responsibilities within the department, I was primarily responsible for organizing and implementing the financial considerations within the corporation.

Case Western Reserve University Hospitals Department of Anesthesiology Cleveland, Ohio Clinical Instructor	1982-Present
---	--------------

V. PROFESSIONAL ACTIVITIES AND HONORS

Phillips Award - "Outstanding Intern"	1969
Whitacre Award - "outstanding Resident"	1971
Anesthesia Teacher of the Year (Huron Road Hospital)	1987

Fellow - The American College of Anesthesiologists	1971
Diplomate - The American Board of Anesthesiology	1974

Cleveland Academy of Medicine Medical Practice and Service Committee	1975-1976
---	-----------

Cleveland Society of Anesthesiologists	
Secretary	1976-1978
Vice-President	1978-1979
President	1979-1980
Board of Directors	1980-1982

Ohio Society of Anesthesiologists	
Public Relations Committee - Chairman	1982-1985
Education Committee	1982-1984
Alternate Delegate (District 4) to the American Society of Anesthesiologists	1982-Present
Delegate (District 4) to the A.S.A.	1989
Nominating Committee	1989-90

American Society of Anesthesiologists Surgical Anesthesia Committee	1990-1993
--	-----------

Society for Education in Anesthesia Committee on CA-3 Curriculum Development	1989-Present
Invited Member: Citizen Ambassador Program: Regional Anesthesia Delegation to the People's Republic of China	1990
PIE Mutual Insurance Company Board of Directors	1979-1987
Univeristy of Tennessee Medical School Fund Raising - Class Agent	1970-Present
Civic Award "Good Citizen Award University Heights, Ohio	1980

VI. MEMBERSHIPS

American Medical Association
Ohio State Medical Association
Cleveland Academy of Medicine
American Society of Anesthesiologists
Ohio Society of Anesthesiologists
Cleveland Society of Anesthesiologists

Critical Care Society
International Anesthesia Research Society
Regional Anesthesia Society
Society of Cardiovascular Anesthesiologists
Society of Ambulatory Anesthesia
Society for Education in Anesthesia

VII. COMMITTEES

Cleveland Clinic Foundation
Division of Anesthesiology Education Committee, 1988-Present
Division of Anesthesiology Academic Committee, Chairman, 1988-Present
Subcommittee In-Training Exam, Chairman, 1989-Present
Subcommittee Monday Anesthesia Conference 1989-Present
Subcommittee Journal Club, 1989
Subcommittee Clinical Correlation Conference, 1989-Present
Subcommittee Mock Oral Examination, 1989-Present
Division of Education Governing Group, 1989-Present
Subcommittee Finance, 1990
Committee for the Review of the Chairman of the Division of Education, 1989
Search Committee for the Director of the School of Nurse Anesthesia, Chairman 1989
Committee to Review School of Nurse Anesthesia, Chairman, 1989
Anesthesiology Division Committee, 1989
Division of Anesthesiology Finance Committee, 1989-to present; Chairman, 1990
Anesthesia Record Review Committee 1988-1989
Division of Anesthesiology Brochure Committee, 1989

Huron Road Hospital

Blood Utilization Committee

CPR Committee

Development and Fund Raising Committee

Infection Control Committee

Medical Staff Nominating Committee - Chairman

Professional Library Committee - Chairman

Public Relations Committee

Resident Training Committee

Transportation Committee - Chairman

TBB:sad 7/6/90