

IN THE COURT OF COMMON PLEAS

Doc. 75

CUYAHOGA COUNTY, OHIO

DIANE M. CARRICK,
EXECUTRIX, etc,

Plaintiff,

-vs-

JUDGE J. KILCOYNE
CASE NO. 185330

THE CLEVELAND CLINIC
FOUNDATION, et al.,

Defendants.

- - - -

Deposition of THOMAS B. BRALLIAR, M.D., taken
as if upon cross-examination before Susan M.
Cebren, a Registered Professional Reporter and
Notary Public within and for the State of Ohio,
at the Cleveland Clinic Foundation, 9500 Euclid
Avenue, Cleveland, Ohio, at 10:15 a.m. on
Tuesday, November 20, 1990, pursuant to notice
and/or stipulations of counsel, on behalf of the
Plaintiff in this cause.

- - - -

MEHLER & HAGESTROM
Court Reporters
1750 Midland Building
Cleveland, Ohio 44115
216.621.4984
FAX 621.0050
800.822.0650

1 APPEARANCES:

2 CHARLES I. KAMPINSKI, ESQUIRE
1530 Standard Building
3 Cleveland, Ohio 44113
Appearing on Behalf of Plaintiff

4 JACOBSON, MAYNARD, TUSCHMAN & KALUR
5 (BY: ANTHONY P. DAPORE, ESQUIRE)
1001 Lakeside Avenue
6 Suite 1600
Cleveland, Ohio 44114-1192
7 Appearing on Behalf of Defendants
CARL A. ROBSON, M.D. & LMD FAMILY PRACTICE

8 KITCHEN, MESSNER & DEERY
9 (BY: CHARLES W. KITCHEN, ESQUIRE)
1100 Illuminating Building
10 55 Public Square
Cleveland, Ohio 44113
11 Appearing on Behalf of Defendant
MT. SINAI MEDICAL CENTER

12 REMINGER & REMINGER CO., L.P.A.
13 (BY: MARC W. GROEDEL, ESQUIRE)
The 113 Building
14 Cleveland, Ohio 44114-1273
Appearing on Behalf of Defendants
15 DR. SIEGLER; DR. SIEGLER AND VARESAKA; AND
SIEGLER, VARESAKA & ASSOCIATES

16 JACOBSON, MAYNARD, TUSCHMAN & KALUR
17 (BY: WILLIAM D. BONEZZI, ESQUIRE)
1001 Lakeside Avenue
18 16th Floor
Cleveland, Ohio 44114
19 Appearing on Behalf of Defendant
MT. SINAI PATHOLOGY, INC.

20 * * *

E THOMAS B. BRALLIAR, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF THOMAS B. BRALLIAR, M.D.
8 BY MR. KAMPINSKI:

9 Q. Doctor, would you state your full name, please?

10 A. Thomas, middle initial B as in boy, Bralliar,
11 B R A L L I A R.

12 Q. I'm going to ask you a number of questions this
13 morning, doctor. If you don't understand any of
14 them tell me. I'll be happy to rephrase any
15 question you don't understand.

16 A. Okay.

17 Q. When you respond to my questions please do so
18 verbally. She is going to be taking down
19 everything you say. She can't take down a nod
20 of your head, okay?

21 A. Correct.

22 Q. Doctor, from 1971 from your residency in
23 anesthesiology at Huron Road Hospital- what did
24 you do?

25 A. I was on the staff in the anesthesia department

1 at Huron Road Hospital, I was involved in the
2 education program, was ultimately director of
3 the anesthesia residency training program.

4 I was also an officer in the corporation of
5 -- the anesthesia corporation at Huron Road.

6 Q. What was the name of that corporation?

7 A. H period R period Anesthesia, Inc.

8 Q- And they had a contract with Mount Sinai to
9 provide anesthesia services?

10 A. No.

11 MR. GORE: You said Sinai.

12 MR. RAMPINSRI: I'm sorry.

13 Q. Huron Road?

14 A. No. We had no contract with them,

15 Q. But they **did** provide the anesthesia services for
16 the patients at Mount Sinai?

17 A. At Huron Road.

18 Q. At Huron Road, I'm sorry.

19 A. Exclusively.

20 Q. Well, how were they compensated if there was no
21 contract?

22 A. Fee for service.

23 Q. So they billed the hospital?

24 A. No, we billed the patients for our services.

25 Q. And when you say exclusively, this was just as a

1 Q. All right. When and where, please?

2 A. In Cleveland, in 19, I believe it was '84. I
3 may be mistaken on the date.

4 Q. Okay. By whom?

5 A. By a Mr. Hanratty, Estate of Mr. Hanratty,
6 H A N R A T T Y.

7 Q. And what were the allegations?

8 A. The allegations were that I had specifically --
9 I don't know what the exact allegations were,
10 but it was intimated that I had not
11 appropriately intubated the patient.

12 Q. What happened to the patient?

13 A. The patient expired.

14 Q. Any others? Any other lawsuits?

15 A. No.

16 Q. What was the result of that suit?

17 A. The result of that suit?

18 Q. Yes, sir.

19 A. I was, as I recall, dropped from the suit
20 officially by name. H.R. Anesthesia was
21 continued to be carried in that suit, and
22 partial judgment was found against us.

23 Q. Who was the plaintiff's attorney in that case?

24 A. The name slips me at the moment. It started
25 with a C, I believe.

1 Q. Okay. What was the caption of the case,
2 Hanratty versus --
3 A. I don't know. I believe it was Hanratty versus
4 Huron Road Hospital, if I'm not mistaken.
5 Q. All right. It indicates that you were a member
6 of the board of directors of P.I.E. from '79 to
7 '87. Did you cease being a member at the time
8 that you came to the Clinic?
9 A. Yes, I did.
10 Q. Did you cease because you came to the Clinic?
11 A. I ceased because I was no longer insured by the
12 P.I.E. Mutual.
13 Q. All right. How did you get involved in the case
14 involving Mr. Carrick, doctor? I mean, why is
15 it that you were the individual providing
16 anesthesia services?
17 A. I was assigned that room for that day.
18 Q. All right. What contact, if any, did you have
19 with Mr. Carrick prior to the surgery?
20 A. Just the preoperative evaluation prior to the
21 induction of anesthesia with a review of the
22 records at that time and discussion with the
23 nurse/anesthetist.
24 Q. And would that have taken place the day of the
25 surgery?

1 A. Yes, it would.

2 MR. KAMPINSKI: Do you have -- do
3 you have a copy there for him of the pages that
4 he's involved in?

5 MR. GORE: I do. I got some
6 highlighting on them.

7 Q. All right. Why don't you indicate what numbers
8 those are, doctor, what number pages?

9 MR. GORE: The pre-op assessment
10 is 1417. Anesthesia record is 1418. I think
11 that's the back of the anesthesia record, that's
12 1419, and the post-anesthesia is 1420. Let me
13 just make sure on that back.

14 MR. KAMPINSKI: Okay.

15 A. Yes, that's correct.

16 MR. GORE: Is that the back?

17 A. Yes.

18 MR. GORE: Okay. Yes.

19 MR. KAMPINSKI: Let me look at the
20 original.

21 Q. If you need to look at the original, you know,
22 that's fine.

23 A. Okay. Fine.

24 Q. All right. 1417 is the pre-op anesthesia
25 record?

1 A. Yes, it is.

2 Q. Did you fill that out?

3 | A. No, I did not.

4 Q. Who did?

5 | A. Our resident by the name of Dr. MeKhail.

6 MR. GORE: Spell that.

7 A. M E capital K H A I L.

8 MR. RAMPINSKI: Off the record.

9

10 (Thereupon, a discussion was had off
11 the record.)

12

13 Q. What was Dr. MeKhail to you in the hierarchy?

14 A. Dr. MeKhail is a resident in anesthesia.

15 Q. So that he would have reported to you?

16 A. He would have reported to me if he had had some
17 questions or wanted to discuss the patient the
18 night before when he did his evaluation.

19 Q. That was my next question. The evaluation we
20 see on Page 1417, would that have been done the
21 night before the surgery?

22 A. In most cases.

23 Q. Do you know when this one was done?

24 A. I can't say specifically because the date is not
25 listed here, but with the information that's

1 present, I would assume as in most cases it was
2 most likely done the night before or the day
3 before, which would have been 4/10/89.

4 Q. I don't see on here, doctor, the laboratory
5 values, where it has got lab data available
6 right next to it is written "pending".

7 A. Right.

8 Q. Do you know what this resident was referring to
9 when she said that the lab values were pending?

10 I mean --

11 A. When he did the pre-op evaluation, oftentimes
12 there will be laboratory tests that have been
13 ordered already but the results are not yet on
14 the chart. So a notation is made to alert
15 people that are looking in addition to their
16 normal routine that the current, most recent
17 laboratory data that's been ordered is not
18 available and it is pending, and we have to look
19 at it the following day to make sure we have
20 that data.

21 Q. Before the operation?

22 A. Yes.

23 Q. And was that done?

24 A. Yes, it was.

25 Q. Would that be what's contained on 1419, that is

1 the back of the anesthesia record?

2 A. In part.

3 Q. Where would the rest of it be?

4 A. The rest would not be noted, per se. Other than
5 the fact that by my signature and as a matter of
6 routine I do go through the chart myself.

7 Q. So you would have looked at the lab values
8 before clearing this patient for surgery?

9 A. Yes, that's correct.

10 Q. You were, therefore, aware of the BUN?

11 A. Yes, I was.

12 Q. What was it, doctor?

13 A. On which -- well, the BUN immediately prior to
14 surgery, although it doesn't show here, your
15 chart will reflect that it was I believe 224.

16 Q. That is set forth on Page 1419?

17 A. On the 10th I believe it was 224. On the date
18 of surgery it was 214.

19 Q. And what does that mean to you as an
20 anesthesiologist in terms of the risks that this
21 patient will have for surgery?

22 A. Per se, not much. It depends upon what the
23 cause is.

24 Q. What was the cause?

25 Well, in my opinion the cause was multiple.

1 Renal, breakdown of skeletal muscle, and the
2 result of the transfusions that the patient had
3 had the preceding month.

4 Q. What is --

5 A. In addition to some possible dehydration.

6 Q. What does steroid administration do for BUN?

7 A. Well, long-term steroid administration in my
8 opinion can cause additional tissue breakdown
9 and catabolism, which would tend to increase the
10 BUN.

11 Q. Did the BUN of 2/24 -- or I'm sorry, you said
12 2/14, the day of surgery?

13 A. Yes.

14 Q. Is the 2/14 set forth anywhere in the record?

15 A. No, it's not set forth in this record. It is in
16 the laboratory record.

17 Q. And you have a recollection of seeing that?

18 A. Yes, I do.

19 Q. Or 2/19 --

20 A. 2/14.

21 Q. 2/14?

22 A. As I recall, on the day of surgery, 2/14.

23 Q. Did that cause you any concern?

24 A. By itself, with evaluation of the patient, no.

25 Q. Well, did you evaluate the patient?

1 A. Yes, I did.

2 Q. When was that?

3 A. The day prior to surgery.

4 Q. How long does it take to evaluate the patient?

5 A. It depends on the individual patients, but with
6 the data that I had with my evaluation I was
7 comfortable.

8 Q. Well, do you have any recollection of evaluating
9 Mr. Carrick at all?

10 A. From looking at my records and the chart review,
11 a vague recollection.

12 Q. Well, what's your recollection, doctor?

13 A. My recollection based upon that information is
14 that I was not concerned about the BUN, per se,
15 in context to the patient's overall condition.

16 Q. All right, You are answering two questions one
17 of which I haven't really asked, and you keep
18 prefacing your responses to "in review of the
19 records."

20 A. Right.

21 Q. I mean the review of the records, obviously, you
22 know, gives you information that was present at
23 the time that you wrote down or the people
24 working for you wrote down.

25 My question is whether or not you have an

1 independent recollection of Mr. Carrick or of
2 examining him.

3 MR. GORE: Independent of the
4 records, Chuck?

5 MR. RAMPINSKI: Yes.

6 A. No, not specifically.

7 Q. Okay. What is it about the record itself that
8 gives you any recollection of him as an
9 individual in terms of your examining him or
10 seeing him clinically as opposed to what you see
11 in the chart?

12 Do you understand that question?

13 A. I think so. You're asking if I remember him as
14 a specific individual patient, was there
15 something that made me remember him specifically
16 compared to everyone else?

17 Q. Yes.

18 A. And I'd have to say with the type of patients
19 that we get here, it may sound strange, but I
20 don't remember him any more specifically than I
21 would any other patient that's seriously ill
22 that I'm involved with.

23 Q. So your entire testimony then, your entire
24 recollection of this case is based upon what's
25 in the record?

1 A. That's true.

2 Q. So then getting back to my earlier question, do
3 you have any recollection then of assessing Mr.
4 Carrick initially before the surgery, you,
5 yourself?

6 A. Yes, I would have a recollection in the sense
7 that I always assess my patients.

8 Q. So that by routine you would do that?

9 A. Yes.

10 Q. But you can't tell me how long you spent with
11 him?

12 A. Not specifically, no.

13 Q. When you say assess them, what are we talking
14 about? What would you typically do?

15 A. Well, I would review the preoperative assessment
16 sheet that I have. I would discuss with the
17 other anesthesia team then what information they
18 had. I would take the chart and look at the
19 information that's in the chart in view of what
20 I already knew. I would look at the patient and
21 make my own physical assessment of the patient,
22 and I would do any physical examinations that I
23 thought were appropriate and indicated, and if I
24 was not satisfied with that information, then I
25 would **do** what I thought was necessary to obtain

1 the additional information to satisfy myself
2 that I could proceed with the anesthesia safely.

3 Q. If you will do a physical examination, would
4 that be set forth in the chart, your findings?

5 A. If they were significant and abnormal.

6 Q. And did you or can you tell from this chart if
7 you did a physical examination?

8 A. No.

9 Q. So you can't tell one way or the other?

10 A. Yes, I can. Since I did not list anything
11 specifically on the record, if I had had some
12 specific concerns I would have listed them.

13 Q. No. No. The question is, can you tell from
14 looking at this chart as to whether you did a
15 physical examination one way or the other?

16 A. Yes. From my physical status classification,
17 Class 3.

18 Q. And what does that mean?

19 A. That is the American Society of
20 Anesthesiologists Physical Status
21 Classification, and a Class 3 means that this
22 patient, in my opinion, has disease that's
23 significant and potentially life threatening.

24 Q. How do you determine whether or not an
25 individual is appropriate for surgery from an

1 anesthetic standpoint, and that's, I take it,
2 what you do do, correct?

3 A. That's right.

4 Q. How do you do that?

5 A. Well, it's a rather involved process based upon
6 what I've just mentioned. I gather as much data
7 and information as I can. I have the opinion of
8 the other medical people that are involved in
9 the care of the patient.

10 Q. Well, let me stop you there, I mean, whose
11 opinion did you have there?

12 A. Well, I had the opinion of **Dr.** Halley.

13 Q. Halley?

14 A. I believe the spelling I would have to look, he
15 was the nephrologist taking care of this
16 patient.

17 Q. Dr. Heyka?

18 A. Dr. Heyka, I'm sorry. The opinion of Dr.
19 Broughan.

20 Q. Yes. Go ahead.

21 A. Any of the other consultants that would have had
22 notes in the chart preoperatively I would have
23 had their opinion. I don't recall their names
24 at the present.

25 Q. So you would have relied upon them having

1 cleared Mr. Carrick for surgery even before you
2 saw him?

3 A. Oh, I would rely upon their opinion and then
4 form my own opinion.

5 Q. Now, I'm sorry. I interrupted, You were
6 telling me what else it was that you did to
7 clear a patient for surgery.

8 A. Well, as I mentioned, it's a repeat. I gather
9 the information that is available, I do my own
10 assessment and evaluation, and then I make my
11 own medical decision as to whether or not this
12 patient is in a safe enough position to proceed
13 in view of their medical condition.

14 Q. What is the risk of a BUN of 214 or 224?

15 A. Specifically by itself there is no specific
16 risk. It just is a consideration as to what it
17 implies and what the cause of that elevation in
18 BUN is.

19 Q. And it implies the things you told me before,
20 that is the renal, skeletal, muscle breakdown,
21 dehydration, things of that nature?

22 A. That's right.

23 Q. And did you seek to deal with any of those
24 underlying situations that were causing the
25 elevated BUN prior to initiating or approving

1 surgery?

2 A. They had already been dealt with satisfactorily
3 as far as I was concerned.

4 Q. Well, how was he being treated for his renal
5 problem?

6 A. His renal problem, he had been evaluated and
7 treated, His creatinine had improved.

8 Q. Excuse me. How was he being treated -- excuse
9 me. What treatment was he receiving for his
10 renal problem?

11 A. Well, the only treatment that he was receiving
12 that I'm aware was the prednisone. He was on
13 Lasix. He was on Dialume.

14 Q. You are listing the medications. Are you
15 suggesting that those are treatments for his
16 renal problem?

17 A. In part,

18 Q. Was he receiving dialysis?

19 A. No, he was not.

20 Q. Do you know why not?

21 A. Because in the opinion of the nephrologist it
22 was not necessary at that time.

23 Q. I mean, did you talk to the nephrologist at that
24 time about why he wasn't receiving dialysis?

25 A. I did not because I was not concerned that it

1 was necessary.

2 Q. Would that be something that you would make the
3 decision on or that you would have just deferred
4 to him having made that decision?

5 A. No. I would make my own decision in concert
6 with what he had decided, and if I was concerned
7 I would have discussed it with him.

8 Q. Well, all right. What training provides you the
9 ability to make that decision as to whether or
10 not somebody should be dialyzed?

11 A. I don't make the decision as to whether or not
12 they should be dialyzed. I make the decision as
13 to whether or not there are some abnormalities
14 with the patient that I think could be corrected
15 before surgery to make things safer, and that
16 might include dialysis, especially in a renal
17 patient.

18 Q. What led you to believe that dialysis would not
19 be appropriate in this case?

20 A. I didn't see anything to me to indicate that it
21 was necessary. It's not so much a decision as
22 to why shouldn't it be done as it is a decision
23 as to if it should be done and can improve the
24 patient.

25 Q. Well, had it been tried before the decision to

1 have Mr. Carrick undergo a parathyroidectomy?

2 A. Had dialysis been tried prior to that?

3 Q. Yes, sir.

4 A. Not to my knowledge.

5 Q. So how do you know it wouldn't have been of any
6 assistance?

7 A. I didn't see anything in my opinion that
8 indicated it.

9 Q. Are you a nephrologist?

10 A. No, I'm not.

11 Q. What are you board certified. in?

12 A. Anesthesiology.

13 Q. Anything else?

14 A. No.

15 Q. Was there difficulty intubating Mr. Carrick?

16 A. Yes, there was.

17 Q. What was the nature of the difficulty?

18 A. Anatomical difficulty because of an anteriorly
19 placed larynx.

20 Q. Well, didn't you know that before you tried to
21 intubate?

22 A. We didn't -- it was not an extremely difficult
23 intubation.

24 Q. Well, what was the problem and how did you take
25 care of it?

1 A. We took care of it by being gentle and making
2 the appropriate positional changes and adjusting
3 to the anatomical situation.

4 Q. Were there any adverse effects of the difficult
5 intubation?

6 A. No, there were not.

7 Q. How did the operation go?

8 A. The operation from an anesthetic point of view
9 went fine.

10 Q. Were you there the whole time?

11 A. No, I was not.

12 Q. Where were you?

13 A. I was in the operative area.

14 Q. What does that mean?

15 A. That means immediately present in the operating
16 suite area or immediately adjacent to it in the
17 surgical arena there.

18 Q. Who actually intubated Mr. Carrick?

19 A. This nurse/anesthetist.

20 Q. Who is she?

21 A. That was a he. A Mr. Niedermeier, I believe, if
22 I can decipher the writing, with me present.

23 Q. I'm sorry?

24 A. With me present.

25 Q. Was he still there -- is he still there?

1 A. No, he is not.

2 Q. Where is he at?

3 A. I believe he's at Euclid General.

4 Q. Why did he leave?

5 A. Personal reasons.

6 Q. Was he asked to leave?

7 A. No, he was not. To my knowledge.

8 Q. And what was his experience prior to that
9 particular day, do you know, how long he had
10 been here?

11 A. I don't know how long he had been here, but my
12 opinion of him is that he was a well qualified,
13 very adequate nurse/anesthetist.

14 Q. Why did he intubate as opposed to yourself?

15 A. That's our general routine, unless it's
16 necessary for me to intervene.

17 Q. Did you intervene?

18 A. Only in the sense that I would make suggestions
19 and help him with the positioning of the
20 patient.

21 Q. So that when you say that it was done gently,
22 this is what, by observation as opposed to
23 actually doing it?

24 A. By observation and assisting, I would actually
25 be watching how often he was doing the

1 manipulation and assisting in the positioning of
2 the patient and handing the endotracheal tube to
3 him so that he could concentrate on what he is
4 doing.

5 Q. Are you guessing now because you told me before
6 that you don't really remember this patient?

7 A. Well, I am telling you whatever my routine is
8 whenever I have a difficult intubation, that I
9 am actively involved with the individual.

10 Q. Are there any guidelines, rules, regulations of
11 any nature at the Cleveland Clinic that set
12 forth the parameters of test results that would
13 or would not allow you to proceed with an
14 operation?

15 MR. GORE: Objection for
16 clarification. Laboratory test results?

17 MR. KAMPINSKI: Yes.

18 A. Not to my knowledge.

19 Q. All right. So this is something that you as an
20 anesthesiologist decide on based upon your
21 experience and training?

22 A. In addition to the opinion of the other experts.

23 Q. Well, I mean, was Dr. Heyka there at the time of
24 the surgery?

25 A. No.

1 Q. All right. So he didn't assist you in
2 determining whether or not to proceed with the
3 surgery given the elevated BUN, did he?

4 A. Oh, yes, he did.

5 Q. Well, why is that?

6 A. He's a nephrologist and an expert, and I value
7 his opinion in helping me make a decision.

8 Q. Well, wait a minute. I mean, did you sit down
9 and talk with him before this particular
10 surgery?

11 A. No.

12 Q. All right. Then you got me confused, doctor.
13 How did he help you or assist you in making the
14 decision?

15 A. The fact that he cleared the patient for
16 surgery.

17 Q. I see. Was he aware of the elevated BUN before
18 the surgery?

19 A. I'm sure he was.

20 Q. Why? Did you tell him?

21 A. No, I did not.

22 Q. The surgeon, Dr. Broughan?

23 A. Broughan.

24 Q. Broughan. I'm sorry. Names are not my forte.
25 I apologize.

1 I assume he was aware of the elevated BUN?

2 A. I do, also.

3 Q. You assume that he was at the time that you saw
4 the elevation?

5 A. Yes.

6 Q. Did you have any discussion with him about it?

7 A. In this patient, I am sure that we discussed at
8 least briefly the patient.

9 Q. Okay. But do you have any specific recollection
10 of doing that as it related to the abnormal
11 laboratory values?

12 A. Only by what I routinely do when there are
13 abnormalities with a patient,

14 Q. Do you know if there would have been any
15 discussion with Dr. Broughan as to alternatives
16 to surgery at that time, that is treating the
17 patient medically prior to subjecting him to the
18 surgery?

19 A. I doubt that in view of the information that
20 that discussion would have occurred.

21 Q. In view of what information?

22 A. The information that I had that I had no
23 concerns as to the appropriateness of proceeding
24 with anesthesia for surgery.

25 Q. Are patients with BUNs in excess of 100 at risk

1 for bleeding perioperatively?

2 MR. GORE: Did you say
3 perioperatively?

4 MR. KAMPINSKI: Yes, sir.

5 A. They might have some increased risk, but I
6 wouldn't be particularly concerned.

7 Q. Why is that?

8 A. It's not something that in and of itself has to
9 me demonstrated a serious bleeding problem
10 perioperatively.

11 Q. Did you treat Mr. Carrick at all
12 postoperatively?

13 A. No, I did not.

14 Q. When did you leave the operating room?

15 A. I was in and out of the operating room
16 periodically. I'm not sure what you mean by
17 when I left the operating room.

18 Q. Well, maybe I should ask it differently. When
19 did you go into the operating room?

20 A. I would have gone into the operating room prior
21 to starting the anesthetic.

22 Q. All right. How long would you have stayed
23 there?

24 A. I would have stayed there until the patient was
25 stabilized and induced.

1 Q. And then you would have left?

2 A. I would have, once the patient was stabilized, I
3 would have probably left and then checked back
4 periodically.

5 Q. And the only one that would have been there then
6 would have been the nurse/anesthetist?

7 A. In my absence.

8 Q. Do you believe, doctor -- well, have you
9 reviewed the record of Mr. Carrick other than
10 the anesthesia record before coming here today
11 for deposition?

12 A. In part, yes.

13 Q. What parts have you reviewed?

14 A. Well, I perused the entire chart that was
15 available to me for his hospital admission in
16 March, as well as his admission for the surgery
17 and his postoperative course until his time of
18 death.

19 Q. Do you believe that the surgery -- well,
20 withdraw that.

21 The necessity or wisdom, if you will, of
22 doing the surgery itself, that's not a decision
23 that you get involved in., is it?

24 A. Not usually.

25 Q. Well, I mean, did you with Mr. Carrick?

1 A. No.

2 Q. All right. And I take it your function is
3 merely to determine if he's an appropriate
4 candidate for surgery?

5 A. No.

6 Q. No? What is your function?

7 A. I don't determine if he's an appropriate
8 candidate for surgery. I determine whether, in
9 my opinion, he's an appropriate candidate for
10 safe anesthesia for the surgery that's been
11 proposed.

12 Q. And in your opinion Mr. Carrick was?

13 A. Yes, he was.

14 Q. Is the writing on the back of Page 1419 yours?
15 I mean, that's not your signature?

16 A. No, it is not.

17 Q. All right. Whose is that?

18 A. That's the nurse/anesthetist, Mr. Niedermeier, I
19 believe.

20 Q. So that he would have gotten the laboratory
21 values then prior to surgery, is that correct?

22 A. He would have made his own evaluation and I
23 would have made mine.

24 Q. Is this his evaluation that's contained on 1419?

25 A. Yes, it is.

- 1 Q. And when you say evaluation, you're talking
2 about the numbered items in terms of number one,
3 chronic renal insufficiency times 18 years
4 secondary to nephrosclerosis?
- 5 A. Question mark.
- 6 Q. Yes. And then two, severe gout?
- 7 A. Exacerbation times six weeks.
- 8 Q. Three, HTN times 10 years, what's that?
- 9 A. Hypertension.
- 10 Q. And four, hypercalcemia secondary to
11 hyperparathyroid?
- 12 A. Yes.
- 13 Q. Where is your analysis?
- 14 A. My analysis is not specifically written here,
15 except for my signature and the physical status
16 classification.
- 17 Q. Well, I thought you said that he made his, you
18 made yours?
- 19 A. I do make mine.
- 20 Q. My question is, where is yours?
- 21 A. I don't write my analysis out in every case
22 unless I feel there is something additional that
23 needs to be added.
- 24 Q. So I take it you agreed with Mr. Niedermeier?
- 25 A. In part.

1 Q. What didn't you agree with?

2 A. Well, he has some information here that he has
3 written that is not the most recent, current
4 information present. He was putting the
5 information down that he had available at the
6 time.

7 And he did make a correction to this, as an
8 example, the potassium, he had the most recent
9 potassium written on the chart that was obtained
10 that morning, the 4.8, although the information
11 he wrote on the back of this shows a potassium
12 of 5.5 that was crossed out.

13 So I would have had some other information
14 and have been aware of some things that he may
15 not have listed here, such as the BUN being 214
16 instead of 224.

17 Q. When did he cross out or who crossed out the
18 5.5?

19 A. I believe he did.

20 Q. Do you know that?

21 A. I assume it because he would probably be the
22 only one that had done the writing and made the
23 corrections.

24 Q. And we've been referring to Page 1419, right?

25 A. Yes.

1 Q. And you say on 1418 it has a potassium of 4.8?

2 A. Yes, 6 a.m. in the bottom left-hand quadrant,
3 just above the arterial cath.

4 MR. GORE: Bottom right-hand?

5 A. Bottom right-hand.. I'm sorry. I can't tell my
6 right from my left.

7 Q. Whose writing is that?

8 A. I believe it is Mr. Niedermeier's.

9 Q. Well, I mean do you have any writing on Page
10 1418?

11 A. Just my signature.

12 Q. So that the writing on both sides of this page,
13 1418, 1419 are his?

14 A. Except for my signature, yes.

15 Q. And the reason you signed it is what?

16 A. Is to document the fact that I did my evaluation
17 and was concurring with proceeding with
18 anesthesia and to note it for the record and to
19 show what my supervisory ratio was.

20 Q. I'm sorry. I don't understand that,

21 A. Next to my name I have a 1 circled, which would
22 mean that that was the only case with which I
23 was involved. that morning at this time.

24 Q. Okay. Why wouldn't you have been in there
25 then? I mean, what is it you were doing other

1 than being in this surgical suite if that was
2 the only case you were involved in at that time?

3 A. I would have exited to check on other things, to
4 see if they needed me for other duties, to
5 perhaps have a cup of coffee once things were
6 settled, to check any paperwork I had to do in
7 my mailbox, to go back to the room and give
8 Mr. Niedermeier a break. As long as I'm
9 checking the room periodically, to stand there
10 with him continuously would not be efficiently
11 necessary.

12 Q. Well, did -- is the 4.8 a normal value?

13 A. Yes, it is.

14 Q. Is the 5.5?

15 A. I'm sorry?

16 Q. Was the 5.5?

17 A. Was it, you said?

18 Q. Yes.

19 A. No.

20 Q. And what is it that caused it to go down?

21 A. Probably appropriate hydration of the patient.

22 Q. How was the patient hydrated?

23 A. I'm sorry?

24 Q. How was the patient hydrated, through IV?

25 A. With his preoperative preparation. I can't say

1 what they specifically did on their hydration
2 preoperatively, but that was just one example of
3 how the potassium might have come down.

4 Q. Would you have been involved in that?

5 A. No.

6 Q. Would your anesthesia department have been
7 involved in that?

8 A. No.

9 Q. Who would have?

10 A. Dr. Heyka and the surgical team.

11 Q. Did you ever recommend that patients with BUNs
12 in excess of 100 be dialyzed to reduce that
13 level prior to surgery being commenced?

14 A. No, I have not.

15 Q. Do you make an assumption, doctor, when a
16 patient is being submitted to your department
17 for pre-op evaluation for surgery that a
18 decision has already been made by whoever is
19 medically treating him that the medical
20 treatment had not worked, and, therefore,
21 surgical option was appropriate?

22 Do you understand my question?

23 A. Not entirely. I think it is two different
24 questions.

25 Q. Well, you might be right. Let me try it again.

1 Once you look at a patient for purposes of
2 clearing him as an anesthesiologist to determine
3 if he's an appropriate candidate from an
4 anesthesiology standpoint for surgery, do you
5 make an assumption when you look at that patient
6 that someone has already made a decision that he
7 is not an appropriate candidate for medical
8 treatment as opposed to surgical treatment, or
9 don't you think about it one way or another?

10 A. If there's any question in my mind I would
11 discuss it, but if there isn't a question and
12 the fact that he is scheduled for surgery
13 implies to me that the people with the necessary
14 expertise have decided that surgery is
15 appropriate treatment at this time.

16 Q. Okay. Did you have any conversations with
17 either Dr. Heyka, Dr. Broughan or any other
18 physician here at the Cleveland Clinic regarding
19 the care and treatment given to Mr. Carrick?

20 MR. GORE: Ever?

21 MR. KAMPINSKI: Ever.

22 A. Dr. Broughan, yes.

23 Q. When?

24 A. Well, I am sure that I discussed it with him
25 prior to surgery at least briefly, and I have

1 talked with him just momentarily within the past
2 week.

3 Q. What did you talk about?

4 A. We discussed this case briefly.

5 Q. Well, tell me what was said,

6 A. What was said was that I asked Dr. Broughan if
7 he had seen anything that looked amiss in
8 retrospect, and he said no. I told him neither
9 did I, and that we could see nothing in review
10 of the records that would have indicated doing
11 other than what we did at that time as far as
12 the appropriateness of surgery and the care that
13 he received perioperatively.

14 Q. So you did review the care perioperatively?

15 A. Yes.

16 Q. And perioperatively means what?

17 A. **Pre-op**, intra-op and post-op, the immediate
18 pre-op, intra-op and the immediate post-op.

19 Q. Well, how about the post-op beyond the
20 immediate, did you look at that?

21 A. I looked at that, but that's not what I include
22 in my definition.

23 Q. Do you have an opinion as to the care received
24 after the immediate post-op?

25 A. Yes, I do.

1 Q. Did you review those records?

2 A. Briefly.

3 Q. Sufficiently to allow you to render an opinion?

4 A. Yes.

5 Q. What did you review?

6 A. I reviewed the hospital records for the
7 admission, the data, the laboratory data, the
8 physician notes, and some of the other
9 information that's included in the charts there.

10 Q. And what's your opinion?

11 A. In my opinion, the care was appropriate.

12 Q. What care?

13 A. The care that Mr. Carrick received.

14 Q. By whom?

15 A. By all of the physicians involved.

16 Q. Which ones?

17 A. The ones at the Cleveland Clinic. I would have
18 to look to get their names specifically.

19 Q. I thought you did look?

20 A. I did.

21 Q. Which ones are you talking about or just all of
22 them?

23 A. All of the ones that I saw and reviewed in this
24 chart I was satisfied with the care.

25 Q. The nurses did okay, too?

1 A. In my opinion.

2 Q. Did you review the nurses' notes?

3 A. In part.

4 Q. Were there any other lab values prior to surgery
5 that caused you any concern, doctor? We've
6 dealt with the BUN, correct?

7 A. We've dealt with the BUN.

8 Yes, there were other values that I looked
9 at that I was concerned in the sense that they
10 were abnormal.

11 Q. Which ones?

12 A. Specifically I noted that the sodium was reduced
13 from normal.

14 Q. And what impact, if any, did that have on your
15 decision to clear this man for surgery?

16 A. Well, in view of the patient's mental status and
17 condition I was satisfied that it was low, but
18 not causing significant problem at that time
19 that it would defer surgery.

20 Q. Go ahead.

21 A. I was aware that the creatinine was abnormal on
22 a chronic basis.

23 Q. Did that cause you any concern for clearing him
24 for surgery?

25 A. Just concern in the sense that I wanted to make

1 sure that in my opinion it was as stabilized as
2 reasonably possible, which in my opinion it was.

3 Q. Okay. Anything else? You can look at the
4 record. I mean this is not an involved trick
5 here.

6 MR. GORE: Do you want to take a
7 look?

8 A. I should look at it and let me refer. I'm sure
9 there are other abnormalities, but the ones with
10 which I was specifically concerned, I was aware
11 of the abnormal calcium. I was aware of the, as
12 we mentioned, the BUN and the sodium. My
13 specific concern, of course, was with the
14 potassium, which had been abnormal and was
15 normal.

16 I was aware of the phosphorus being
17 elevated. I was aware of his history of gout
18 with some elevation in the uric acid. Some
19 specifics that I looked at that were normal,
20 because I specifically checked. for some of those
21 things, were the prothrombin and the PTT. I was
22 aware of his low hemoglobin and hematocrit which
23 had been improved, and he had received
24 transfusions. I was aware of his elevation of
25 alkaline phosphatase.

1 Q. What was the reason for that, doctor?

2 A. I assume it was because of his demineralization
3 of the bone.

4 Q. Why did you assume that? I mean, you told me
5 before what medication he was receiving,
6 correct? He was receiving Dialume, correct?

7 A. Yes.

8 Q. What does that do?

9 A. Well, that is an antacid, but it's also used to
10 help treat in reducing the phosphorus levels.

11 Q. Could that have been a reason then?

12 A. For the alkaline phosphatase, I don't know.

13 Q. Go ahead,

14 A. I was aware of his parathyroid hormone levels
15 being elevated. I was aware of his albumin and
16 total proteins being reduced. I was aware of
17 his CPK levels having been elevated before with
18 an elevation in the MM fraction,

19 Q. Well, what does that mean, doctor, the CPK
20 levels are abnormal?

21 A. **Well**, if they are abnormal you would like to
22 find out why they are abnormal, and so they do a
23 test to see whether it's a breakdown in
24 chemistry resulting from muscle, brain or a
25 mixture, and in this case it was primarily from

1 muscle, indicating that there was skeletal
2 muscle breakdown.

3 Q. Was it heart related or was it other muscles?

4 A. It was not heart related.

5 Q. All right.

6 A. I was -- when I include laboratory I include
7 more than just lab. I was aware of his
8 echocardiogram which had been performed the
9 preceding admission. I was aware of the CAT
10 scan that had been performed and the
11 ultrasound, I was aware of his chest x-ray, and
12 I was aware of his vital signs.

13 I think that pretty well covers it,
14 although these is other laboratory data in here
15 that I would not have specifically been aware
16 of.

17 Q. So you were aware of a cardiac friction rub
18 then?

19 A. No, I was not.

20 Q. I mean, you said. you reviewed the record.

21 A. Yes, I did.

22 Q. Why weren't you aware of that?

23 A. I don't know that he did have a cardiac friction
24 rub.

25 Q. Did he?

1 A. Not in my opinion.

2 Q. Is that something that can be transitory in
3 terms of the ability to hear it?

4 A. I believe so.

5 Q. Well, what do you base your opinion on then?

6 A. My opinion as to what?

7 Q. That he didn't have one, from your physical
8 examination of him, that you don't know whether
9 he did have one **or** not?

10 A. No. I did do a partial physical examination. I
11 was not aware of a friction rub if it, in fact,
12 did exist, because in my review of the records
13 and review of the evaluation of the other people
14 involved there was no indication that I was
15 aware of that he had a friction rub
16 preoperatively.

17 Q. What if he did, how would that have affected
18 you?

19 A. If he did, then I would have tried to ascertain
20 the opinion of the cardiologist or the medical
21 people involved.

22 Q. When you just gave me your opinion regarding the
23 perioperative care, did that include the period
24 of time where he contracted pneumonia?

25 A. No.

1 Q. So it would have been up until sometime before
2 then?

3 A. Yes.

4 Q. All right. And you don't have any opinion as to
5 his care after that point in time?

6 A. I didn't have an involvement. Is that what
7 you're asking, or are you asking an opinion?

8 Q. Your opinion.

9 A. From my review of the records, my opinion is
10 that he received adequate medical care.

11 Q. How was the care that he received in the ICU
12 subsequent to the decision to intubate him, was
13 that pretty good?

14 A. In my opinion.

15 Q. And you looked over those records fairly
16 carefully?

17 A. No. I looked over them in a perusal fashion.

18 Q. That's sufficient for you to render an opinion?

19 A. Yes.

20 Q. Did you act as an expert at all when you were a
21 member of the board of P.I.E.?

22 A. I don't know what you mean by an expert?

23 Q. As an expert witness.

24 A. No.

25 Q. Have you ever testified as an expert witness?

1 A. Yes, I have.

2 Q. How often?

3 A. Six or less times.

4 Q. And who did you testify for?

5 A. I have testified for the P.I.E. Mutual, for
6 Arter & Hadden, and I have been involved in
7 review as an expert without testimony for two
8 other lawyers.

9 Q. Which firms?

10 A. Independent. I'm sorry. One was for, I believe
11 his name was Wiedenthal in Cleveland. That was
12 just a review and discussion.

13 And. another is a lawyer in Tennessee.

14 Q. All right. How many times have you testified?

15 A. I have testified --

16 MR. GORE: Both court and
17 deposition?

18 MR. KAMPINSKI: Yes.

19 A. Court and deposition? Including my suit or
20 exclusive of my suit?

21 Q. Well, no. You already told me about your suit.
22 You were deposed in your suit, your deposition
23 was taken?

24 A. Yes, my deposition was taken.

25 Q. Did you testify at trial as well?

1 A. Yes.

2 Q. And that trial was when, do you recall?

3 A. I think I said it was in '84.

4 Q. All right.

5 A. I have testified either in trial or at
6 deposition, to my recollection, three other
7 times I believe.

8 Q. What are the names of the cases?

9 A. I don't know the names of the cases. I can tell
10 you which law firm it was with.

11 Q. Okay. Do you have some record that would
12 reflect that? In other words, if you went back
13 to your office could you sit down and provide
14 that information to Mr. Gore?

15 A. No, I don't have that record in my office. I
16 could give you the name of the law firm.

17 Q. Okay. Why don't you do that?

18 A. Arter & Hadden.

19 Q. When was that?

20 A. I don't know the exact dates, but it's within
21 the past five years.

22 Q. And what was the name of the case?

23 A. I don't know the name of the case.

24 Q. What was the nature of the case?

25 A. One case was an alleged dental injury in Akron.

1 Q. Was it an anesthesia case?

2 A. Pes.

3 Q. Anesthesiologist had damaged his teeth somehow

4 or --

5 A. No. This was an allegation as to a

6 temporomandibular joint syndrome that resulted

7 postsurgery and anesthesia.

8 Q. All right. And did you testify at trial or by

9 deposition?

10 A. Yes, I did, I testified at trial.

11 Q. And do you recall who the plaintiff's attorney

12 was?

13 A. The plaintiff's attorney was -- I don't recall.

14 Q. Was he an Akron attorney, Cleveland, do you

15 remember?

16 A. I think he was an Akron attorney.

17 Q. And who was the attorney at Arter, Hadden?

18 A. Mr. Gore was..

19 Q. Okay. And you said that was one of the cases.

20 A. Yes.

21 Q. All right.

22 A. Another case for Arter & Hadden was in Cleveland

23 involving an anesthesia case at Booth Memorial

24 Hospital.

25 Q. What happened?

1 A. In my opinion, the patient had an anaphylactoid
2 reaction to the dye injection,

3 Q. Did the patient die?

4 A. Yes.

5 Q. And who was the attorney on that case?

6 A. I believe the name was Mr. Licata.

7 Q. You are talking about the plaintiff's attorney?

8 A. No. Defendant's attorney. I don't recall the
9 plaintiff's attorney.

10 Q. The attorney at Arter, Hadden?

11 A. That was the defendant's attorney.

12 Q. Mr. Licata?

13 MR. GORE: Formerly at Arter &
14 Hadden.

15 Q. And did you testify at trial in that case?

16 A. Yes, I did.

17 Q. All right, Do you remember the name of the
18 plaintiff?

19 A. **No**, I don't.

20 Q. Do you remember the name of the plaintiff's
21 attorney?

22 A. No, I don't..

23 Q. Okay. Any others for Arter & Hadden?

24 A. Just this one. Well, this is not for Arter &
25 Hadden. So, not that I can recall.

1 Q. Okay. How about --

2 A. Wait, I'm sorry. Yes. I reviewed a case for
3 Arter & Hadden with a Mr. Moscarino as the
4 attorney for the defendant.

5 Q. Yes?

6 A. One of the defendants.

7 Q. Which defendant was that?

8 A. I'm sorry?

9 Q. Which defendant was that?

10 A. I believe that defendant at that time was a
11 hospital.

12 Q. Which hospital?

13 A. Fairview General.

14 Q. And what were the allegations in that case?

15 A, The allegations were that the patient suffered
16 some ulnar nerve palsy because of improper
17 positioning.

18 Q. Did you testify in that case?

19 A. No, I did not.

20 Q. Is it still pending?

21 A. **No.** The hospital- I believe was dropped.

22 Q. Any others for Arter & Hadden?

23 A. Not that I recall.

24 Q. Okay. You mentioned P.I.E.?

25 A. P.I.E., there is a current suit that I'm an

1 expert witness in.

2 Q. All right, What's the name of the case?

3 A. I can't remember the man's name.

4 Q. Who is the attorney?

5 A. The attorney for the defendant is a Jody, and I
6 didn't remember her last name -- Diethell.

7 MR. FIFNER: D I E T H E L L . It
8 is either D I E or D E I I.

9 Q. This is a case out of Toledo?

10 A. Yes. I believe it is.

11 Q. What are the allegations in that case?

12 A. That an improper positioning and care of the
13 patient resulted in blindness in one eye.

14 Q. Have you testified in that case?

15 A. No.

16 Q. All right. Any others?

17 A. For P.I.E. Mutual? I don't believe there are
18 any other depositions or testimonies.

19 Q. You mentioned some others, from Tennessee?

20 A. Well, there was a nonmedical case. Well, it was
21 a medical case that I reviewed for a Mr. -- God,
22 for a gentleman that had a case referable to
23 anesthesia care in an insurance claim, a
24 Mr. Kopinski I believe the attorney's name was.

25 Q. Not me.

1 A. Okay. I would remember his name given the time
2 or checking some records. I can't recall it at
3 this time.

4 There is a case in Tennessee that I'm
5 currently an expert witness for the plaintiff's
6 attorney.

7 Q. What's the nature of that case?

8 A. That's an obstetrical case where the mother
9 died.

10 Q. Why are you an expert? I mean, was it an
11 anesthesia complication?

12 A. It was an anesthesia complication, in my
13 opinion.

14 MR. KAMPINSKI: That's all the
15 questions I have.

16 Anybody else?

17 MR. FIFNER: No.

18 MR. MCCRYSTAL: No.

19

20

THOMAS B. BRALLIAR, M.D.

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Susan M. Cebren, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named THOMAS B. BRALLIAR, M.D., was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this _____ day of _____, A.D. 19 ____.

Susan M. Cebren, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires August 16, 1993