

1 The State of Ohio)
Lorain County

2 IN THE COURT OF COMMON PLEAS

3 BARBARA WILEY, EXECUTRIX of)
the Estate of Michael Wiley)

4 Plaintiff,) Case No. 98 CV 121938

5 vs.)

6 DAVID A. BRANCH, D.O.,) Judge: Edward M.
7 Zaleski
et al.)

8 Defendant.)

3
10
11
12 Deposition of DAVID A. BRANCH, D.O., &
13 witness taken before MARILYN D. CRISTI, Notary Public
14 within and for the State of Ohio in this cause on
15 MONDAY, the 15th day of FEBRUARY, 1999 at THE
16 HUNTINGTON BUILDING, SUITE 2010, Cuyahoga County, Ohio
17 at 10:29 a.m. Pursuant to notice sent to counsel, this
18 deposition was tape recorded by Legal Electronic
19 Recording, Inc.

20
21
22
23 LEGAL ELECTRONIC RECORDING, INC.
5230 ST. CLAIR AVENUE
24 Cleveland, Ohio 44103
(216) 881-8000 Fax 881-DEPO (3376)

25
26 Job #99B0463

27 -

1 A Let me check -- yeah, I'm forty live (45) --do the
2 calculation again. 12-27-53.
3 Q And your social security number?
4 A 370-60-7023.
5 Q Do you have a CV with you?
6 A I do not.
7 Q Do you have one either at your office or in your
8 computer somewhere?
9 A I have one on my computer, but it crashed last
10 week so I'll probably have to re-do it.
11 Q When you --
12 BY MR. MEADOWS: If I have one. I'll
13 provide that to you.
14 BY MR. MORIARTY: That's fine.
15 Q Well, when the computer crashed, is the data
16 recoverable or is it all lost?
17 A Actually it wasn't the computer that crashed, the
18 monitor went out.
19 Q Okay.
20 A And it's an old monitor, so I'm not sure that I
21 can --
22 Q All right.
23 A -- even --
24 Q Well when you re-do it, or if you recover the
25 data, if you could send the CV to your lawyer and he'll

1 send it to me, I'd appreciate it, okay? Do you have an
2 office?
3 A No.
4 Q All right. Where'd you do your -- where were you
5 born and raised, first of all?
6 A Born in Howell, Michigan and raised down in
7 Apollo, Michigan which is a small farming community
8 Q Okay. Did you graduate from college?
9 A Correct.
10 Q Where?
11 A Holy Cross College in Worcester, Massachusetts.
12 Q What year did you graduate?
13 A 1976.
14 Q Did you go straight to medical school after that?
15 A No, I did not.
16 Q What'd you do after college?
17 A For about the first year I worked with mentally
18 handicapped adults in residential sightings, sort of
19 like group homes.
20 Q Where was that?
21 A That was nominally in Plymouth, Massachusetts,
22 near Plymouth.
23 Q Had you applied to medical school right away?
24 A I applied my junior year to medical school.
25 Q Okay. Where did you end up going to medical

1 out some sound. And if you could do that, I'd
2 appreciate it, all right?
3 A All right.
4 BY MR. MORIARTY: And just for the
5 record this is the discovery deposition
6 of Dr. Branch and the time and place
7 having finally been agreed upon after
8 some notices were sent out.
9 Q What's your current residence address, sir?
10 A 524 Rockwood Court, Avon Lake, Ohio.
11 Q What's the zip code there?
12 A 34012.
13 Q How long have you lived there?
14 A About four years, I believe.
15 Q Who do you live with?
16 A My wife and children.
17 Q Does your wife work outside the home?
18 A No, she doesn't.
19 Q How many children do you have?
20 A Six.
21 Q What is the range of their ages?
22 A Fourteen (14) weeks and twenty two (22) years.
23 Q Congratulations. And what's -- how old are you?
24 A Forty five (45).
25 Q What's your date of birth?

1 A I went to Des Moines College of Osteopathic
2 Medicine and Surgery.
3 Q When did you graduate from there?
4 A 1981.
5 Q In the course of study in medical school, was
6 there a specific class in emergency medicine?
7 A No, there was not.
8 Q Do you have any military service?
9 A No.
10 Q Was there anything in particular that influenced
11 your decision to become a doctor of osteopathic
12 medicine as opposed to an MD or allopathic or whatever
13 it is?
14 A Nor particularly, no.
15 Q Where'd you do your internship?
16 A At Lakeview Osteopathic Hospital in Battle Creek,
17 Michigan.
18 Q Was it a general rotating internship?
19 A Correct.
20 Q Was it one year?
21 A Correct.
22 Q Did you do a residency after that?
23 A After that I fulfilled my public health service
24 commitment for the United States Government in
25 Colstrip, Montana.

1 Q How come you had a U. S. public service health
2 commitment?
3 A The government paid for two years of my medical
4 school.
5 Q Where were you in Montana?
6 A Colstrip, Montana.
7 Q Is it on an Indian reservation or just in a
8 smaller town?
9 A Smaller town.
10 Q And after your public health service was finished,
11 did you do a residency?
12 A I did.
13 Q Where?
14 A At York Memorial Hospital in York, Pennsylvania
15 Q For how many years was the residency?
16 A Two years.
17 Q And any particular specialty?
18 A Emergency medicine.
19 Q Who was the program director?
20 A Dr. Edward Sarmai.
21 Q Do you know how to spell his last name?
22 A S-A-R-M-A-I, I think.
23 Q Have you done any fellowships?
24 A No, I have not.
25 Q In what states are you licensed to practice

1 exam?
2 A More the mechanics of the oral exam.
3 Q Are you eligible to become a member of the
4 American College of Emergency Physicians? ✓
5 A I think so. I don't know why I wouldn't be. I've
6 never actually checked into it.
7 Q Do you keep up to date in your continuing medical
8 education?
9 A I do.
10 Q And I assume that is substantially -- all or
11 substantially all of it is in emergency room medical
12 courses?
13 A I guess, yes.
14 Q When you go to these CME classes, is the
15 evaluation of chest pain in emergency room patients
16 frequently one of the subjects?
17 A Yes.
18 Q Do you keep some sort of list and record of the
19 CME courses that you attend?
20 A I assume I do. I must somewhere, yeah, records.
21 Q Do you personally do that or do you have a
22 secretary who might do that for you?
23 A For the AOA actually keeps track for me.
24 The American Osteopathic Association credits are all
25 sent it to them, and they send me updates every now and

1 medicine?
2 A Michigan and Ohio.
3 Q Did you have to get a Montana license while you
4 were out there?
5 A Yes, I did.
6 Q Did you let that lapse?
7 A I did.
8 Q It wasn't revoked for any reason?
9 A No.
10 BY MR. MEADOWS: Objection.
11 Q Are you board certified?
12 A I am.
13 Q By which board?
14 A By the American College of Osteopathic Emergency
15 Physicians.
16 Q What do you have to do to get board certified with
17 that entity?
18 A It's a three process procedure. There's a written
19 test. If you pass that, then you take the oral exam.
20 If you pass that, then there's a part where they come
21 out and observe you practice medicine for a day.
22 Q Foroneday?
23 A Foroneday.
24 Q I assume you passed the written exam?
25 A Correct.

1 then.
2 Q Do you save the course materials from the CME
3 courses that you attend?
4 A Not with great regularity, no.
5 Q Sometimes you do?
6 A Right.
7 Q Do you remember the last time prior to the summer
8 of 1997 when you went to a CME course that would have
9 included the evaluation of patients with chest pain?
10 A Not offhand, no.
11 Q Are you a member of any professional groups or
12 societies for emergency room physicians?
13 A I'm a member of the American College of
14 Osteopathic Emergency Physicians.
15 Q What does that organization do?
16 A Well they put on seminars and monitor emergency
17 care issues.
18 Q Did you have to qualify to become a member of that
19 organization?
20 A I think you -- I think you have to do a residency
21 or be board certified to be a member. I'm not sure.
22 Q You do any teaching?
23 A We have residents that occasionally are -- interns
24 occasionally that rotate through the emergency
25 department at Fisher Titus, and when they're in the

1 Q On the first try?
2 A Yes.
3 Q I assume you passed the oral exam?
4 A I did.
5 Q On the first try?
6 A No.
7 Q How many times did you take the oral exam?
8 A I believe, three.
9 Q The last phase where they observe you, is that --
10 is that a pass-fail situation?
11 A I believe so.
12 Q Okay. Do you -- did you have to go through that
13 more than once?
14 A No.
15 Q And when did you become board certified?
16 A I believe, 1990.
17 Q Were there study -- written study materials
18 published to help you prepare for your board exams?
19 A I mean there's things on the market you can buy
20 Q Okay, did you buy any sort of study manuals to
21 prepare for your board exam?
22 A There was one I think I bought to help get more
23 familiar with the oral exam.
24 Q Was it on substantive areas of medicine or just
25 something to help you through the mechanics of an oral

1 department, I'll do some teaching.
2 Q They are not emergency room residents?
3 A No they are not.
4 Q They're residents in some other sub-specialty that
5 happen to be rotating through ER?
6 A Correct.
7 Q Does -- do you work at any other hospital beside,
8 Fisher-Titus?
9 A At the present time, no.
10 Q Does that have a residency program in cardiology?
11 A No, it does not.
12 Q And I assume it does not have a residency program
13 in emergency medicine,
14 A Correct.
15 Q Are you on the -- listed as a professor on the
16 faculty of any medical school?
17 A No.
18 Q Have you taught at continuing medical education
19 seminars?
20 A No.
21 Q Have you lectured any emergency room group on any
22 subject?
23 A No.
24 Q Published any articles in the area of emergency
25 medicine?

1 A No.
 2 Q What's the name of your emergency room group?
 3 A Norwalk Emergency Services
 4 Q How many physicians are employed by that group?
 5 A Well, there's three shareholders. How many
 6 physicians we hire. I'm not sure if I can give you a
 7 specific answer.
 8 Q Okay, well let's start --
 9 A They come and go, I don't know.
 10 Q Well, let's start with the three shareholders.
 11 A All right.
 12 Q Who are they? *sh*
 13 A Chris Brickman and Mike Murray and myself.
 14 Q And do you know whether you actually employ other
 15 emergency room physicians?
 16 A I under -- I believe we consider them employees.
 17 Q Do you ever bring emergency room physicians in who
 18 may be considered independent contractors or locum
 19 tenens?
 20 A There is one or two that may be. They have
 21 independent contract status.
 22 Q Does the group have any affiliations with
 23 hospitals other than Fisher-Titus?
 24 A Some of the doctors in the group do, but the group
 25 itself, Norwalk Emergency Services, doesn't.

1 Q Does Norwalk Emergency Services have an exclusive
 2 contract to provide the emergency services at Fisher-
 3 Titus medical center?
 4 A I believe it does.
 5 Q Does Norwalk Emergency Services employ physicians'
 6 assistants?
 7 A We started within the last year.
 8 Q Does it employ any other type of medical
 9 personnel, not secretarial personnel, but medical
 10 personnel?
 11 A Not that I'm aware of.
 12 Q Now you finished medical school in 1981. I'm
 13 sorry, when did you finish your residency?
 14 A I think it was December 31st, 1956.
 15 Q What did you do after that?
 16 A I worked for six months at York Memorial as an
 17 attending physician.
 18 Q The same hospital where you had done your
 19 residency?
 20 A Correct.
 21 Q And then after that what did you do?
 22 A I worked at Elyria Memorial Hospital until I
 23 started in Norwalk, and I think that was in '92 I made
 24 the switch.
 25 Q All right, so some time in the middle of '87 you

1 moved to Lorain County?
 2 A Correct.
 3 Q And you started working at Elyria Memorial
 4 Hospital here in Lorain County?
 5 A Correct.
 6 Q All right. And, I'm sorry, for how long did you
 7 work at Elyria Memorial?
 8 A Well, until, I believe, the end of '92.
 9 Q All right. What was the name of the emergency
 10 room group with which you were affiliated during your
 11 tenure at Elyria Memorial?
 12 A Acute Care Specialists.
 13 Q Do you know who the head of that group was at that
 14 time?
 15 A There was a group of five that had controlling
 16 interest in the group, Dr. Meg Paul, Dr. Bedall, a Dr.
 17 Sullivan, and I can't recall the other two.
 18 Q Do you have privileges at any hospital other than
 19 Fisher-Titus?
 20 A Not at the present time.
 21 Q Ever served in -- excuse me, ever served as an
 22 expert witness in any type of case?
 23 A Yes, I have.
 24 Q First of all, were they all medical negligence
 25 cases?

1 A No.
 2 Q How many cases -- in how many cases have you been
 3 an expert witness?
 4 A Total, you know, maybe six or eight.
 5 Q Let's take -- let's separate out the medical
 6 negligence cases. What were the other cases about?
 7 A Child abuse and there was one assault case and a
 8 rape case.
 9 Q The cases that you just mentioned, I assume that
 10 those were patients for whom you were a treating
 11 physician?
 12 A That's correct.
 13 Q And these assault cases, rape cases, child abuse
 14 cases, arose out of some events that led those people
 15 to the emergency room and your care. Is that fair?
 16 A Well, one of them was in Montana when I was out
 17 there.
 18 Q Okay.
 19 A It wasn't in the emergency room.
 20 Q Okay, all right. Now the other cases were -- they
 21 were medical negligence cases?
 22 A Correct.
 23 Q Were you the expert for the plaintiff or for the
 24 defendant?
 25 A They were all for the defendant.

1 Q Do you remember the names of any of those cases?
 2 A No.
 3 Q Are any of them currently open, active cases?
 4 A I don't believe so.
 5 Q Do you remember the names of the defense lawyers
 6 who retained you?
 7 A No.
 8 Q Do you remember the names of any of the defense
 9 law firms?
 10 A No.
 11 Q Do you remember where the cases were actually
 12 located? Were they all in Ohio?
 13 A Yes, I think they were all in Ohio.
 14 Q Did you give deposition testimony in any of those
 15 cases?
 16 A I don't believe so.
 17 Q Do you know whether you authored written reports
 18 in any of those cases?
 19 A I'm sure I did in at least three of them.
 20 Q Do you keep a record of the names of the cases
 21 that you've been involved in?
 22 A No.
 23 Q Would you keep some sort of accounting record that
 24 would reflect the payments that you would have received
 25 from defense law firms?

1 A It might be in my tax files. I don't know.
 2 Q Did any of the cases involve the evaluation of a
 3 patient with chest pain?
 4 A No. Well, I take that back. There was -- there
 5 was one.
 6 Q How long ago was that case?
 7 A I was working in Elyria at the time. Quite a
 8 while.
 9 Q Have you ever been asked by a plaintiffs lawyer
 10 to review a case and act as an expert?
 11 A Yes.
 12 Q Did you review the case?
 13 A Yes.
 14 Q Have you ever been sued in a medical negligence
 15 case before?
 16 BY MR. MEADOWS: Objection. Show a
 17 continuing objection.
 18 BY MR. MORIARTY. That's fine.
 19 Q Go ahead.
 20 A Yes.
 21 Q How many times?
 22 A Five times, I think.
 23 Q Were any of them in Montana?
 24 A No.
 25 Q Were any of them in Pennsylvania?

1 A No.
 2 Q Ever practiced in any other state?
 3 A No.
 4 Q Uhm --
 5 A Other than maybe as a student.
 6 Q Okay.
 7 A I think I did work in Massachusetts and Florida as
 8 a student.
 9 Q Well would it be safe to assume that all five of
 10 the cases were filed in Ohio?
 11 A Correct.
 12 Q Do you know how many of them were filed in Lorain
 13 County?
 14 A I think, three of them.
 15 Q And in what counties were the other ones?
 16 A I believe, Huron.
 17 Q Huron County?
 18 A I think.
 19 Q Did any of them have to do with the evaluation of
 20 a patient who had chest pain?
 21 A One of them.
 22 Q What was the name of the plaintiff in that case?
 23 A I think his last name was Taylor
 24 Q Could you spell that, please?
 25 A T-A-Y-L-O-R

1 Q In what county was that filed?
 2 A I believe, Lorain.
 3 Q Was your deposition taken in that case?
 4 A No.
 5 Q Do you remember the name of the plaintiffs
 6 lawyer?
 7 A No.
 8 Q Do you remember the name of the lawyer who
 9 represented you?
 10 A No.
 11 Q How long ago was it?
 12 A I think it was '91, '92.
 13 Q Give me a thumbnail sketch about what the other
 14 four or so cases were about.
 15 A There was a --
 16 Q Wait, I don't mean to cut you off, but let me
 17 clarify something. The case we're here about, Mike
 18 Wiley's visit to the emergency room, is this included
 19 in the five?
 20 A Yes, it is.
 21 Q Okay. Tell me what the other three cases are
 22 about -- or were about?
 23 A There was a lady who was seen in the emergency
 24 department a few times and admitted to the hospital,
 25 had pneumonia, was put on a ventilator, and then

1 seventeen (17) to twenty (20) days after she was
 2 admitted to the hospital, she was taken off the
 3 ventilator, and that day or the next day she
 4 experienced respiratory arrest and had to go back on
 5 the ventilator, but before she got reintubated she had
 6 some brain damage.
 7 Q Okay.
 8 BY MR. MEADOWS: Let me interject. To
 9 the extent that any of the law suits are
 10 currently pending, I want you to limit
 11 your answer to just the basic allegation
 12 without getting into the facts of the
 13 defense of the case.
 14 Q Are there any other cases pending?
 15 A There's two other cases pending.
 16 Q Okay. Do you know who the plaintiffs' lawyers are
 17 in either of those other cases?
 18 A I'd have -- not offhand, no.
 19 Q Okay. Then let's move on. I don't want to ask
 20 you about those other pending cases. That's fine.
 21 Ever been the subject of any medical disciplinary
 22 proceedings?
 23 A No.
 24 BY MR. MEADOWS: Objection.
 25 Q Ever had your privileges at any hospital revoked?

1 BY MR. MEADOWS: Objection.
 2 A No.
 3 Q Or suspended?
 4 A No.
 5 Q Does Norwalk Emergency Service have a corporate
 6 office somewhere?
 7 A Well, I think that they list Dr. Brickman's home
 8 as the corporate office or headquarters.
 9 Q Do you know where he lives?
 10 A Sylvania, Ohio. I don't know the exact address
 11 offhand, no.
 12 Q Okay. Now let me briefly limit my questions to a
 13 time frame of July 1997. To the best of your knowledge
 14 were there any sort of signs in the emergency room at
 15 Fisher-Titus Medical Center announcing that Norwalk
 16 Emergency Service was the group that ran that emergency
 17 room?
 18 A I don't think -- not that I'm aware of.
 19 Q To the best of your knowledge, were patients or
 20 their families told in words or substance that while
 21 this is the Fisher-Titus Medical Center, this emergency
 22 room group runs the medical aspects of this emergency
 23 room?
 24 BY MR. MEADOWS: Objection.
 25 A I believe that when they register, registering or

1 admitting personnel inform them of the fact that there
 2 is a group and that they will be sending separate
 3 bills.
 4 Q Okay. Do you wear a lab -- traditional white lab
 5 coat when you're working in the ER?
 6 A Generally.
 7 Q Other than Dr. Branch, what does it say on it?
 8 A Emergency medicine.
 9 Q Do you have an ID badge?
 10 A Yes.
 11 Q Does the ID badge say Fisher-Titus Medical Center?
 12 A I'm not aware. I'm not sure. I'd have to look at
 13 it again.
 14 Q Okay. Do you have it with you?
 15 A No, not my lab coat
 16 Q Are the emergency room nurses employees of Fisher-
 17 Titus Medical Center?
 18 A Yes, they are.
 19 Q Who is the chairman of the department of emergency
 20 medicine at Fisher-Titus?
 21 A Dr. Thomas.
 22 Q He's one of your co-shareholders or --
 23 A He had an option to join, but he declined.
 24 Q Okay.
 25 A He's an employee.

OSF
 Absent

1 Q He's an employee of what, the hospital?
 2 A Of the group. The corporation.
 3 Q Oh, I'm sorry. Okay. So despite the fact that he
 4 is the chairman of the department, he is not a
 5 shareholder with you in the group. Is that correct?
 6 A Correct.
 7 Q Okay. Does Fisher-Titus have any sort of an
 8 observation unit affiliated -- I'm sorry, let me
 9 rephrase that. Do they have an observation unit at
 10 Fisher-Titus Medical Center that you can use if
 11 necessary?
 12 A They do.
 13 Q All right. So as an emergency room physician you
 14 do not necessarily have to choose between a technical
 15 full hospital admission or a discharge. Is that true?
 16 A Correct.
 17 Q What is the unit called, this observation unit?
 18 A Observation unit.
 19 Q Is it part of the emergency department?
 20 A Actually it's a general bed. It's just a
 21 bookkeeping entry, I believe, on their admitting notes
 22 and their billing.
 23 Q Where is it located?
 24 A Where there's a bed available in the hospital.
 25 Q If a patient -- let me -- let me ask you this a

Page 26

1 different way. If you decide to put a patient in the
 2 observation unit, what do you call it? An admission?
 3 A An observation admission.
 4 Q Okay. You have the authority to order such an
 5 observation admission?
 6 A I -- I can't admit anyone to observation unit or
 7 otherwise. I have to work through one of the
 8 attendings who has admitting privileges.
 9 Q So, for example, hypothetically, if you had a
 10 patient whom you suspected may be having either
 11 unstable angina or an acute myocardial infarction, you
 12 would have the option of doing an observation
 13 admission? Is that true?
 14 A Correct.
 15 Q But if you were to do that, would you have to
 16 consult with the cardiologist?
 17 A I'd have to consult either with the patient's
 18 doctor or the doctor on call for internal medicine.
 19 Q All right. Does Fisher-Titus have cardiologists
 20 on staff?
 21 A Yes.
 22 Q Is there always one on the call list?
 23 A We don't list the cardiologists on the call list.
 24 Q Why not?
 25 A You'd have to check with the hospital on their

Page 27

1 policy, but we have an internal medicine. There's a
 2 cardiologist on call for North Ohio Heart, which is
 3 affiliated with Fisher-Titus.
 4 Q That's the group out of Lorain?
 5 A Correct.
 6 Q Or based in Lorain, I should say. Okay, I'm a
 7 little confused. Who creates the call list?
 8 A I'm not sure.
 9 Q Does the emergency room group create the call
 10 list?
 11 A No.
 12 Q Does the emergency room group have input into the
 13 creation of the call list?
 14 A I'm sure we have some, yes.
 15 Q Internal medicine is a category on the call list?
 16 A Correct.
 17 Q Orthopedic surgery is a category on the call list?
 18 A Correct.
 19 Q Is cardiology a category on the call list?
 20 A No.
 21 Q Hypothetically, had you wanted to admit Mike Wiley
 22 to the observation unit or to the hospital itself,
 23 would you have worked through the internal medicine
 24 person on call?
 25 A I would have called down that one first.

Page 28

1 Q Under what circumstances do you call Northern Ohio
 2 Heart Group?
 3 A Well, if it's one of their patients, then we'll
 4 call them, or if the internal medicine requests a
 5 cardiology consult.
 6 Q So in the case of Mr. Wiley, since he did --
 7 assuming he didn't have a cardiologist, you would have
 8 worked through the internal medicine specialists as
 9 opposed to calling a cardiologist directly Is that
 10 correct?
 11 A Correct.
 12 Q When a patient is admitted to the observation
 13 unit, who does the observing?
 14 A You mean who's in charge?
 15 Q Yes.
 16 A It would be the admitting physician.
 17 Q You are not -- as an emergency room physician if
 18 you initiate an admission to the observation unit you
 19 do not participate in the observation?
 20 A Correct.
 21 Q I assume that there are -- they have some nurses
 22 who assist in that observation?
 23 A Correct.
 24 Q Is there a time parameter, a minimum and maximum
 25 amount of time in which a patient can be in the

Page 29

1 observation unit?
 2 A I think the requirement is if they're in there
 3 less than twenty four (24) hours.
 4 Q Do you keep a medical library at your home or at
 5 the hospital?
 6 A We have a few medical books available at the
 7 hospital.
 8 Q Do you personally keep one?
 9 A I have a library at home. Mostly they're medical
 10 books from my training.
 11 Q Back when you did your training, which I assume
 12 you mean your residency. Is that correct?
 13 A Correct.
 14 Q Did you buy any emergency room texts?
 15 A Yes.
 16 Q Did you buy whichever one you wanted or did they
 17 recommend one or two?
 18 A Well, we were pretty much -- we could buy what we
 19 wanted.
 20 Q Which ones did you buy?
 21 BY MR. MEADOWS: Objection.
 22 A I bought a copy of Tintinelli's and was given a copy
 23 of May's, and I believe I bought a copy of Rosen's.
 24 Q Was the first one that you mentioned Tintinelli's?
 25 A Correct.

Page 30

1 Q Second one was May's and the last one was Rosen
 2 Is that correct?
 3 A Correct.
 4 Q Have you purchased more recent editions of those
 5 books over the years?
 6 A I recently bought a new edition of Tintinelli
 7 within the last six months.
 8 Q Okay. If you wanted to consult a more recent
 9 edition of -- withdraw that question.
 10 Do you know what edition of Rosen you own?
 11 A I think it's the first edition.
 12 Q If you wanted to consult a more recent edition,
 13 would you have the ability to do that through a --
 14 either a hospital library or an emergency room library
 15 at the hospital?
 16 A Hospital in the emergency department we have a
 17 more recent issue of those.
 18 Q Does that group buy emergency room texts that it
 19 keeps collectively in the emergency department?
 20 A I'm not aware that we've bought any books.
 21 Q Well, you have a little emergency room library in
 22 the emergency department.
 23 A Right.
 24 Q Who is responsible for buying those books?
 25 A I think the library, the hospital library.

Page 31

1 Q Who in your group decides which books to buy?
 2 BY MR. MEADOWS: For the library at the
 3 hospital?
 4 BY MR. MORIARTY: Yes. I'm sorry.
 5 BY MR. MEADOWS: I'm going to object
 6 because it assumes that they do -- I
 7 think he said the hospital does it. Go
 8 ahead.
 9 A They probably -- it would probably be Dr. Thomas
 10 as chairman.
 11 Q And what books do they have there at the hospital
 12 in the emergency medicine library?
 13 A Well, I don't if they have an emergency medicine
 14 library -- in the emergency department, we have a small
 15 collection of books.
 16 Q Okay.
 17 A We have three volumes of Rosen and Tintinelli and
 18 Facts and Compansons, PDR. We have Neilson's
 19 Pediatric and we have a series of toxicology books
 20 Q Do you keep any cardiology textbooks?
 21 A I don't think there's any cardiology textbooks
 22 there.
 23 Q Do you from time to time refer to Tintinelli's
 24 book?
 25 A Occasionally.

Cardiologist
not on
the call
list.

Page 32

1 Q Do you from time to time refer to Rosen's book?
 2 A Occasionally.
 3 Q Do you generally refer them -- I'm sorry, do you
 4 generally refer to them because you find them to be
 5 reliable sources in emergency medicine?
 6 BY MR. MEADOWS. Objection.
 7 A I use them to sort of refresh my memory in various
 8 more obscure diseases.
 9 Q But do you do that because you generally find them
 10 to be reliable reference material?
 11 A Well, I mean they're usually dated because
 12 medicine is progressing rapidly.
 13 Q Given the time parameters for publication, do you
 14 think they're reliable reference manuals?
 15 A In some areas.
 16 Q Subscribe to any journals?
 17 A No.
 18 Q Does the group subscribe to any journals?
 19 A Not that I'm aware of.
 20 Q Does the -- do you personally receive journals?
 21 A Yes.
 22 Q Even though you don't subscribe to them?
 23 A Yes.
 24 Q Which emergency room journals do you receive?
 25 A Emergency Medicine, Emergency News. Those are the

Page 35

1 A Not aware.
 2 Q Do you keep any sort of folders, some people arc
 3 pack rats, they keep articles and what not. Do you
 4 keep any ~~sort of~~ research folders on any topics ~~of~~
 5 emergency medicine?
 6 A Not really, no.
 7 Q I assume that prior to July of 1997 you'd had
 8 occasion to see and examine patients who complained of
 9 chest pain?
 10 A Correct.
 11 Q It probably happens just about every day in your
 12 practice as an emergency room physician?
 13 A Right.
 14 Q You do work full time, I assume?
 15 A Yes.
 16 Q Do you have any idea of the percentage of patients
 17 that you've evaluated for complaints of chest pain at
 18 Fisher-Titus Medical Center whom you have admitted?
 19 A No, I don't.
 20 Q Does chest pain have an ICD code number?
 21 A I don't know.
 22 Q Do you have any sense for the percentage of times
 23 when you have a patient complaining of chest pain that
 24 you consult the internal medicine specialist?
 25 BY MR. MEADOWS: Objection.

Page 33

1 only ones that are emergency.
 2 Q Do you read them?
 3 A Yes.
 4 Q Do you review the Annals of Emergency Medicine?
 5 A Not with great regularity, no.
 6 Q I'm not sure if I asked you this before, but does
 7 either the group subscribe or does the hospital
 8 subscribe to emergency medicine journals that go to the
 9 hospital?
 10 A I'm not -- I don't know.
 11 Q Okay, so for example, is The Annals of Emergency
 12 Medicine available for you to review?
 13 A Not that I'm aware of.
 14 Q Your board -- your board certification entity,
 15 does it have any guidelines or protocols for the
 16 management of emergency room patients with various
 17 complaints?
 18 A Not that I'm aware of, no.
 19 Q Do you know whether the American College of
 20 Emergency Physicians has guidelines or protocols?
 21 BY MR. MEADOWS: Objection.
 22 A Not that I'm aware of.
 23 Q Do you know whether the emergency department at
 24 Fisher-Titus Medical Center has guidelines or protocols
 25 for the management of emergency room patients?

Page 36

1 A No I don't.
 2 Q You consider yourself to be an expert in
 3 cardiovascular medicine?
 4 BY MR. MEADOWS: In the confines of an
 5 emergency room?
 6 BY MR. MORIARTY: Well, let's take it
 7 the way I've stated it first, and then
 8 we'll narrow it down.
 9 A I'm not sure I understand what you're asking.
 10 Q Do you consider yourself to be an expert in
 11 cardiovascular medicine?
 12 BY MR. MEADOWS: Objection to form.
 13 A From an emergency medicine standpoint, yes.
 14 Q Okay. Well, would you agree that emergency room
 15 doctors, somewhat by the nature of the practice, are
 16 generalists?
 17 A They have a broad general background in medicine
 18 Q Okay. Do you believe that a cardiologist is in a
 19 better position to differentiate between chest pain
 20 from ischemia, versus non-ischemic chest pain?
 21 BY MR. MEADOWS: Objection.
 22 A In the emergency department setting?
 23 Q In any setting.
 24 A In the emergency department setting, I don't think
 25 there's any advantage to being a cardiologist.

Page 34

1 A No, they don't
 2 Q They do not?
 3 A They do not
 4 Q Has the department collected or adopted guidelines
 5 that may have been published by someone else?
 6 BY MR. MEADOWS: Objection
 7 A No
 8 Q Are you familiar with the American College of
 9 Cardiology Guidelines for management of patients
 10 complaining of chest pains?
 11 BY MR. MEADOWS: Objection
 12 A I haven't read them recently, no
 13 Q Have you read them in the past?
 14 A Sometime ago
 15 Q Under what circumstances would you have read them?
 16 A I'm not sure I understand
 17 Q Well, why did you read them? Did somebody hand
 18 them to you or were you just curious or what?
 19 A I think they were at a seminar
 20 Q Have you ever heard of the Chest Pain Study Group?
 21 A No
 22 Q Do you know whether the American Medical
 23 Association has any protocols regarding the management
 24 of patients with chest pain?
 25 BY MR. MEADOWS: Objection

Page 37

1 Q Okay. Why not?
 2 A Well, it's basically a clinical assessment.
 3 Q Okay. When you are in doubt about a diagnosis for
 4 an emergency room patient who presents with chest pain,
 5 do you consult the internal medicine specialist?
 6 A Well, if I cannot explain their chest pain, then
 7 yes.
 8 Q Okay. Well basically, if a patient has ischemic
 9 chest pain, whether it's from unstable angina or an
 10 acute myocardial infarction, if that patient is sent
 11 home rather than admitted and treated, that can have
 12 some pretty serious consequences. Would you agree with
 13 me?
 14 A I'll agree.
 15 Q Okay. Do you get any sense of the degree of
 16 confidence that you need to have in your diagnosis
 17 before you end up consulting an internal medicine
 18 specialist or a cardiologist?
 19 BY MR. MEADOWS: Objection to form --
 20 I'm not sure what you mean.
 21 A I don't quite understand what you're asking.
 22 Q That's fine. Usually there's very little that is
 23 certain in medicine. Would you agree with me?
 24 BY MR. MEADOWS: Objection.
 25 A The facts usually is pretty certain.

<p style="text-align: center;">Page 38</p> <p>1 Q Okay. When it comes to the diagnosis of chest 2 pain, the origin of chest pain in the emergency room. 3 is it highly unusual that something would be certain? 4 BY MR. MEADOWS: Objection. 5 A No, if they have -- if they have changes on their 6 electrocardiogram, it's pretty definitive. 7 Q Okay. Well, if the patient -- if there was a 8 possibility that a patient was having ischemic chest 9 pain, just a possibility, would you consult with the 10 internal medicine specialist under those circumstances? 11 BY MR. MEADOWS: At what point in the 12 process? Immediately, before doing any 13 tests? 14 BY MR. MORIARTY: Before discharge from 15 the emergency room. 16 A If I felt that the problem was ischemic, I would 17 definitely consult with someone. 18 Q -- in your experience as either a physician or having 19 been through medical legal matters, do you recognize 20 any distinction between the terms possibility and 21 probability? 22 A I'm not sure I understand what you're asking. 23 Q Well if -- if you were standing there evaluating a 24 patient in the emergency room who had chest pain -- 25 A Mm-hmm.</p>	<p style="text-align: center;">Page 41</p> <p>1 you, my original question was whether 2 you had some sense of how confident you 3 had to be and in order to get the answer 4 to my question, I've had to translate it 5 into numbers. 6 A I'd have to be highly confident. 7 Q Highly confident that it was not -- 8 A Ischemic. 9 Q -- ischemic. And how do you -- how do you as an 10 emergency room physician determine that? 11 A Well, if there's a -- if there's a clinical 12 presentation that suggests that it's not ischemic, and 13 none of the tests support ischemia or injury, then I 14 become highly confident. 15 Q Okay. And do you believe that the -- withdraw 16 that question. 17 Have you heard the phrase standard of care? 18 A Yes, I have. 19 Q Tell me what you understand that phrase to mean, 20 so that we're on the same wave length here. 21 A Well, I have legal training. It's a legal phrase. 22 It has no realm in the medical practice. I mean, we 23 never heard it in medical school. 24 Q Okay. 25 A I only hear it from lawyers.</p>
<p style="text-align: center;">Page 39</p> <p>1 Q -- and the patient had been there for a while, and 2 you had run some tests, and you weren't sure, but you 3 thought it was likely or probable that that patient had 4 ischemic chest pain, would you consult an internal 5 medicine specialist or a cardiologist? 6 A If I thought it was likely they had ischemic chest 7 pain, yes. 8 Q Okay. Under the same scenario, if you thought 9 that it was unlikely, but possible, would you still 10 consult an internist or a cardiologist? 11 BY MR. MEADOWS: Objection to form. 12 A If I thought that there was -- I guess I'd have to 13 -- I'm not sure if I understand yet what you're asking. 14 Q Okay. Well, if you thought, for example, if you 15 were able to divine percentages in your evaluation of a 16 patient, and you thought there was a ten percent chance 17 that a particular patient was having ischemic chest 18 pain, but a ninety percent chance that it was from some 19 other origin, would you consult an internal medicine 20 specialist under those circumstances? 21 A Yes. 22 Q What about a five percent chance? 23 A Probably, yes. 24 Q You would probably consult with the specialist? 25 A Correct.</p>	<p style="text-align: center;">Page 42</p> <p>1 Q Okay, and you tell me what you understand it to 2 mean. 3 A The standard of care is when a regional or 4 ordinary physician would -- how a reasonable or 5 ordinary physician would perform. 6 Q Tell me about your legal training. 7 A I went to law school part-time at Cleveland State 8 Marshall School of Law and became licensed in law in 9 January of '96. 10 Q When did you start at Cleveland State? 11 A Hmm. I think 1990, '91. 12 Q When you were working at Elyria Memorial Hospital? 13 A Correct. Correct. 14 Q And you were -- did you work as an emergency room 15 physician by day and go to school at night? 16 A Well, because I was an independent contractor at 17 Elyria Memorial, I worked out hours that I was 18 available to work, and they would either pick me for 19 those hours or they wouldn't. 20 Q Okay, but you graduated from Cleveland Marshall 21 College of Law? 22 A Correct. 23 Q And you are licensed to practice law in the State 24 of Ohio? 25 A Correct.</p>
<p style="text-align: center;">Page 40</p> <p>1 Q I don't want to take every number down to zero, 2 but how low an index of suspicion would you have to 3 have and still consult with a specialist? 4 BY MR. MEADOWS: I'm going to object. 5 Could you repeat that? I'm not sure I 6 caught it. 7 Q Sure, that's fine. As I said earlier, if you 8 don't understand my question, I'm happy to rephrase it 9 If you had a four percent belief that the patient was 10 having ischemic chest pain, but a ninety-six percent 11 chance they weren't, do you consult with a specialist? 12 BY MR. MEADOWS: I'm going to object 13 cause I'm not sure it's fair to the 14 witness to be making such fine 15 distinctions between -- he already said 16 that if -- even if there was a five 17 percent he would, and now you want him 18 to distinguish between five and four, 19 and I imagine you're going to take it 20 one step further and say three, two, 21 one. And I just don't know if it's fair 22 to the witness to suggest to him that 23 those distinctions are appropriate. 24 BY MR. MORIARTY: He'll tell me if it 25 isn't fair, but in fairness to both of</p>	<p style="text-align: center;">Page 43</p> <p>1 Q When did you pass the bar exam? 2 A I took, I think, the written, the three day test, 3 in July of '95. And they had instituted the ethical 4 portion that I had neglected to get my paperwork in on 5 time, so I think I took that in November or the fall of 6 '95. Sometime in the fall of '95. And then I received 7 notice sometime in December that I passed all the parts 8 and that I could be sworn in. 9 Q Have you practiced law at all? 10 A Some, yes. 11 Q Okay, tell me what kind of law you have practiced? 12 A Family law and contract law. 13 Q When you say family law, what do you mean by that? 14 A I've done some child custody cases. some 15 dissolution. Mostly child custody 16 Q How much of your time, your professional time, do 17 you devote to emergency medicine as opposed to the 18 practice of law? 19 A Probably ninety nine percent (99%). 20 Q Emergency medicine? 21 A Yes. 22 Q Do you have any affiliation with any law firm? 23 A No. 24 Q And when you engage in your law practice, do you 25 have a law office or --</p>

1 A I practice out of my house.
 2 Q Okay Do you have letterhead and all that kind of
 3 stuff for a law practice?
 4 A Right. With computers you can do pretty much
 5 anything.
 6 Q All right. Took torts in law school?
 7 A I did.
 8 Q Do you subscribe to any -- are you a member of the
 9 Ohio State Bar Association?
 10 A I believe I am.
 11 Q Do you subscribe to any legal journals?
 12 A Just what the Ohio State Bar Association convey in
 13 the Cleveland -- and then I guess I am on WestLaw and I
 14 get their monthly updates -- I don't know if you
 15 consider that a journal or not.
 16 Q So you get the weekly, what we call OBAR, the
 17 little green --
 18 A Yes.
 19 Q -- magazine. Do you read the cases pertaining to
 20 medical negligence law suits?
 21 A Not with great regularity.
 22 Q Did you say you were a member of the Cleveland Bar
 23 Association?
 24 A Correct.
 25 Q So you get the Cleveland Bar Journal?

1 A Yes.
 2 Q Member of any committees?
 3 A No.
 4 Q Are you a member of anything like the American
 5 Society of Law and Medicine?
 6 A No.
 7 Q Are you a member of any sort of medical legal
 8 organization?
 9 A No.
 10 Q All right, let me get back to what I was about to
 11 ask you about -- I was asking about these percentages
 12 and when you would consult a specialist. If you have
 13 some doubt about the diagnosis, does the standard of
 14 care require that you consult with either an internist
 15 or a cardiologist?
 16 BY MR. MEADOWS: Objection.
 17 BY MR. NEEL: Objection.
 18 A I don't -- I mean, you're talking legalese again,
 19 and as a doctor I don't think that the standard of care
 20 really enters into it -- patient care is all we're
 21 concerned about.
 22 Q Well, the standard -- there is a standard of care
 23 that you have to subscribe to in caring for patients,
 24 is there not?
 25 A Well, we try to practice good medicine, but we

1 don't think of it in terms of standard of care. As I
 2 said before, that's a legal concept.
 3 Q If there is any doubt in your mind as to a
 4 diagnosis on a patient with chest pain, does -- does
 5 the reasonable and prudent physician consult with the
 6 specialist on call?
 7 A I would.
 8 Q Does the reasonable and prudent physician do that?
 9 A Well, I have to think I'm reasonable and prudent,
 10 so I guess so.
 11 Q You may be more than reasonable and prudent,
 12 that's why I asked.
 13 A Uhm, --
 14 BY MR. MEADOWS: You're asking about a
 15 specific diagnosis or any diagnosis in
 16 general?
 17 BY MR. MORIARTY: Well, I'm talking
 18 about -- still talking about a patient
 19 that comes in complaining of chest pain.
 20 Q If you have some doubt as to whether that's
 21 ischemic or not, consistent with what I've already
 22 asked you, would the reasonable prudent physician
 23 consult with a specialist?
 24 A Do you want me to set the standard of care here?
 25 Q No, I want you to tell me what the standard of

1 care requires.
 2 A Well, I can't answer that because I told you what
 3 I would do. But whether I meet the standard or above
 4 the standard is -- is a legal call.
 5 Q Do you like to think you act within the standard?
 6 A Yes, I like to think that. And as you neitioned.
 7 I may be above the standard.
 8 Q If there is some doubt about the diagnosis
 9 regarding a patient who complains of chest pain, would
 10 you personally admit that person to the observation
 11 unit?
 12 A I would try to arrange that. That's correct.
 13 Q If you admit a patient complaining of chest pain.
 14 either to the observation unit or to the hospital
 15 itself or you effectuate that type of consultation. do
 16 you find out about it later if it turns out to be nor
 17 ischemic chest pain?
 18 A No, we do not.
 19 Q Have you ever personally been admonished, warned,
 20 et cetera, for excessive calls to the consultants
 21 regarding patients with chest pain?
 22 A No.
 23 BY MR. MEADOWS: Show an objection to
 24 the extent it calls for peer review of
 25 answers.

1 Q Speaking of peer review, was Mike Wiley's -- I
 3 don't want to know the content of any peer review, the
 3 date of it, who was present, anything -- and a simple
 4 yes or a no will do. Was Mike Wiley's case peer
 5 reviewed?
 6 BY MR. MEADOWS: Objection.
 7 A Not to my knowledge.
 8 Q Do you have independent recollection of seeing
 9 Mike Wiley in the emergency room on July 16, 1997?
 10 A Do I recall the event or --
 11 Q Yes, sir.
 12 A Not specifically.
 13 Q You are -- for your testimony, you're relying on
 14 the medical records?
 15 A Heavily, right.
 16 Q Exclusively?
 17 A I have some vague recollection is all -- a few
 18 things.
 19 Q If I asked you to describe what he looked like,
 20 could you do that?
 21 A Well, other than I recall a lot of tattoos, I'm
 22 not sure I could specifically identify him.
 23 Q Okay. When did you learn that Mike died on July
 24 21st?
 25 A When I received notice of a suit.

1 Q Did you review anything to prepare for your
 2 deposition today?
 3 A Basically the chart.
 4 Q When you say the chart, you're speaking of the
 5 emergency room records from Fisher-Titus Medical
 6 Center?
 7 A Correct.
 8 Q Regarding Mike Wiley?
 9 A Correct.
 10 Q Did you review any other medical records?
 11 A I believe I was able to review the autopsy report.
 12 Q Any other medical records that you recall?
 13 A No.
 14 Q Did you read any medical literature?
 15 A For this -- preparing for today?
 16 Q Yes, sir.
 17 A No.
 18 Q Did you read any medical literature after you got
 19 notice of the suit -- did you go consult anything on
 20 your own?
 21 BY MR. MEADOWS: For purposes of this
 22 case or just in his normal course?
 23 BY MR. MORIARTY: For purposes of this
 24 case.
 25 A No.

Page 50

1 Q Did you watch any video tapes to prepare yourself
2 for a deposition today?
3 A No.
4 Q Do you believe that you are familiar with the
5 signs and symptoms of unstable angina?
6 A Yes.
7 Q Can you please tell me what you can recall off the
8 top of your head would be signs and symptoms of
9 unstable angina?
10 A Chest pain, some sort of pain or discomfort in the
11 chest. Sometimes shortness of breath. Sometimes
12 diaphoresis or sweating. Sometimes dizziness or light-
13 headedness. Sometimes nausea.
14 Q Anything else you can think of?
15 A Those would be the main ones.
16 Q Are there risk factors for unstable angina?
17 A Well, there's risk factors for heart disease. I'm
18 not sure they're separated out.
19 Q Okay. Are they basically the same?
20 A I would say so, yes.
21 Q What are some of those risk factors?
22 A Age, sex, smoking, hypertension, elevated
23 cholesterol or lipids, activity or lack thereof, and
24 family history. Family history. I guess that would
25 cover most of the major ones.

Page 51

1 Q Okay. And are there tests that you can run in the
2 emergency room to help you evaluate whether a patient
3 complaining of chest pain may or may not have unstable
4 angina?
5 A Yes.
6 Q And what sort of tests would those be?
7 A Electrocardiogram.
8 Q Do you call that an ECG or an EKG?
9 A Our hospital -- it tends to be referred to as a
10 EKG.
11 Q Okay, go ahead.
12 A Chest x-ray, blood tests. The patients respond to
13 various therapies.
14 Q Okay. Would you include cardiac enzymes within
15 the sub-group of blood tests?
16 A Yes.
17 Q And what is the standard of care for the treatment
18 of a patient in whom you suspect unstable angina in the
19 emergency room?
20 A The patient I suspect unstable angina?
21 Q Yes, sir.
22 A Would be to stabilize the patient. I would -- by
23 placing them on some oxygen, monitor, starting an IV,
24 and if it's unstable angina or I think it's unstable
25 angina, I'd probably start him on nitros, possibly

Page 52

1 blood-thinning medication
2 Q And then consult with an internist or
3 cardiologist?
4 A Correct
5 BY MR. MEADOWS May I ask for a
6 clarification? Are you talking about
7 after he's done -- the tests that you
8 just described or if it's part of his
9 suspicion, amongst other things, at the
10 outset of his work-up? Essentially your
11 question was clear in terms of --
12 A Well, I thought he was talking basically about
13 someone who I thought had unstable angina and someone
14 who I clinically suspect has unstable angina that's
15 what I would do
16 Q If the patient comes in complaining of chest pain
17 and your initial differential diagnosis includes
18 unstable angina, you would run some of the tests that
19 you've already mentioned to me --
20 A Correct
21 Q -- and depending on the outcome of those tests you
22 -- if you still suspected that it might be unstable
23 angina, you would consult the specialist
24 A Correct
25 Q Can you have unstable angina that manifests itself

Page 53

1 as a sudden prolonged episode of chest pain, but does
2 not show EKG or enzyme evidence of an infarction?
3 A Well, by definition, angina is non-infarction, so
4 yes.
5 Q Can acute myocardial infarction manifest the same
6 way? And by that I mean a sudden prolonged episode of
7 chest pain, but without EKG or enzyme changes
8 consistent with infarction?
9 A Well, the initial EKG may or may not be normal.
10 Usually there is some change in the EKG over time. The
11 initial blood test may or may not be abnormal.
12 Q But over time it may become abnormal?
13 A If they've had an infarction, the blood test will
14 become abnormal or overtime.
15 Q What do you consider to be the range of normal for
16 blood pressure?
17 A Well, diastolic would probably be below eighty-
18 five (85) or eighty (80) millimeters of mercury, and
19 systolic probably below a hundred and forty (140) to a
20 hundred and sixty (160), although I understand they've
21 changed those numbers and they may be slightly lower.
22 I think ideally they like to see systolic below a
23 hundred and forty (140) and diastolic about eighty (80)
24 present day treatment protocols for hypertension.
25 Q And what about for pulse? What would you consider

Page 54

1 a normal range for a pulse to be?
2 A Sixty (60) to a hundred (100).
3 Q Are cardiac enzymes more sensitive when you test
4 them serially?
5 A Some of them are, yes.
6 Q Which -- which are?
7 A Well, there's a window for them to rise.
8 Myoglobin which we test for -- was testing for -- sixty
9 (60) or ninety-seven (97) is sensitive very early on.
10 CKMB sort of becomes the next sensitive one and then
11 the troponin I takes the longest to rise and remains
12 elevated the longest.
13 Q But they are -- these cardiac enzymes are most
14 sensitive when tested several times over the course of
15 a certain hour or period of time. Is that correct?
16 BY MR. MEADOWS: Are you grouping them
17 all together?
18 A As a group, yes.
19 Q Okay. Are you aware of any textbooks that
20 advocate serial testing of the cardiac enzymes for
21 patients who complain of chest pain in the emergency
22 room?
23 BY MR. MEADOWS: Objection.
24 A I'm not aware of what the textbooks are advocating
25 on that specifically.

Page 55

1 Q Are you aware of any journal articles or published
2 studies that indicate that the enzymes are more
3 sensitive when tested serially?
4 A There may be some, but I'm not specifically aware
5 of them.
6 BY MR. MEADOWS: Show an objection.
7 Q In your opinion, does the standard of care require
8 that cardiac enzymes be tested serially for patients
9 complaining of chest pain in the emergency room?
10 BY MR. MEADOWS: Objection.
11 A No, I don't -- I don't believe that's the standard
12 of care, no.
13 Q Why not?
14 A Cause we admit or see dozens of people with
15 complaints of chest pain that clinically we don't feel
16 is cardiac, and that it would be unwise to spend all
17 that time and money doing serial enzymes on someone
18 that you don't think has cardiac disease.
19 Q Okay. Let me ask you specifically about a couple
20 of the enzymes. To do your knowledge what is the on
21 set of elevation for the CK?
22 A CK?
23 Q Yes.
24 A Not the CKMB?
25 Q Correct.

1 A Well, probably four to six hours.
 2 Q And what about for the CKMB? What's the --
 3 A Probably about the same.
 4 Q I-hm-hmm. And do you know when the CK is -- I'm
 5 sorry, let me rephrase that,
 6 When to your knowledge does the CK peak?
 7 A It's been a while since I reviewed that. I
 8 would -- I would estimate around twelve (12) hours.
 9 Q Have you seen lab manuals or any studies to
 10 indicate that it's twelve (12) to twenty-four (24)
 11 hours?
 12 A I don't recall offhand.
 13 Q Okay. Do you know when the CKMB peaks?
 14 A I thought I was talking about the CKMB.
 15 Q No, I was asking you for CK and then CKMB.
 16 A I think it's around twelve (12) hours.
 17 Q Okay. In Mike Wiley's visit to the emergency
 18 room, you only ordered one set of cardiac enzymes. Is
 19 that correct?
 20 A Correct.
 21 Q Why?
 22 A Because I was looking specifically at the
 23 myoglobin.
 24 Q I've had marked the EMS sheet as Exhibit 1 and the
 25 medical chart from the emergency room visit as Exhibit

1 2. Do you have those in front of you?
 2 A I do.
 3 Q According to my copy of the chart, Exhibit 2, his
 4 myoglobin was twenty-nine (29) when it was tested. Is
 5 that correct?
 6 A Correct.
 7 Q And what is it about a myoglobin of twenty-nine
 8 (29) that was reported at twenty after four that
 9 indicated to you that there was no need to repeat this
 10 cardiac panel.
 11 A Well, myoglobin is supposed to rise fairly rapidly
 12 in about two hours, but it's not very specific for the
 13 heart, and so elevated myoglobin is a fairly good
 14 screening test or an excellent screening test to rule
 15 out myocardial infarction, but if it's elevated, it
 16 doesn't mean it is a myocardial infarction. So if it's
 17 normal two hours after onset of chest pain, it -- it's
 18 highly strong evidence that there's not been a
 19 myocardial infarction.
 20 Q Are you finished with your answer?
 21 A Yes.
 22 Q Does the myoglobin rule out myocardial infarction
 23 completely?
 24 A If it's normal two hours after the onset -- if
 25 it's normal two hours after the onset of chest pain.

1 Q Then it does rule it out?
 2 A Pretty much a hundred percent accurate in ruling
 3 it out
 4 Q Well, when you say pretty much a hundred
 5 percent, --
 6 A I'd have to maybe review some of the newer
 7 literature, but the literature that when I started
 8 using the myocardial test in our emergency departments,
 9 basically at that time it was my understanding that if
 10 it was normal two hours after the onset of pain, then
 11 you've rule out a myocardial infarction
 12 Q Does a normal myoglobin two to four hours after
 13 the onset of chest pain rule out unstable angina?
 14 A No
 15 Q Do any of the cardiac enzymes, even if normal,
 16 rule out unstable angina?
 17 A No
 18 Q When it comes to actually ruling out either acute
 19 myocardial infarction or unstable angina, would you
 20 agree that you cannot do that just based on a history?
 21 A Pardon?
 22 Q When it comes to ruling out either an acute
 23 myocardial infarction or unstable angina, would you
 24 agree with me that you cannot do that based only on the
 25 patient's history?

1 A No, I disagree strongly. I do that daily.
 2 Q Do you think that you can rule in and rule out
 3 myocardial infarction and unstable angina based only on
 4 an EKG?
 5 A No.
 6 Q Is it true that initial EKG's are only somewhere
 7 between twenty-five (25) and fifty percent (50%)
 8 accurate in diagnosing patients who have ischemic chest
 9 pain?
 10 A He initially could use -- that's correct.
 11 Q I'm going to ask you some questions about these
 12 medical records. First, as far as Exhibit 1 is
 13 concerned, would you have had a copy of the EMS run
 14 sheet available to you to look at while you were taking
 15 care of Mike Wiley?
 16 A It's difficult to say. A lot of times they're
 17 tilted out later and then put with the chart.
 18 Q Do you remember whether or not you had that to
 19 review in this case?
 20 A I don't recall.
 21 Q Do you routinely review them when you look at --
 22 A Well, when they're available, I'll take a look at
 23 them, yes.
 24 Q Okay. Now, doctor, we don't have these bates
 25 stamped or anything with page numbers, so I'm looking

1 at what for you is probably the third page in. It's
 2 the handwritten notes.
 3 A Allright.
 4 Q Do you see which one I'm holding up?
 5 A My second.
 6 Q Okay. First of all, up at the top -- I'm sorry,
 7 could I have the EMS run sheet for a second. On this
 8 sheet the vital signs recorded were initially a pulse
 9 of a hundred and twelve (112). That would be
 10 abnormally high, would it not?
 11 A Correct.
 12 Q And the blood pressure, if I'm reading this
 13 correctly, was two hundred and twenty (220) over a
 14 hundred and twenty (120). That would be abnormally
 15 high, would it not?
 16 A It's elevated.
 17 Q It would qualify as hypertense just for that one
 18 blood pressure?
 19 A Yes.
 20 Q Now let's get back to Exhibit 2. The blood
 21 pressure towards the top here, was this recorded by a
 22 nurse?
 23 A Yes.
 24 Q Do you know whether the emergency department --
 25 I'm s o - whether the hospital employed any

1 physicians' assistants in the emergency department?
 2 A Not that I'm aware of.
 3 Q So what we're talking about when we're talking
 4 about the medical personnel who attended to Mike Wiley,
 5 it would have been you as the physician. Is that
 6 correct?
 7 A Correct.
 8 Q Do you know whether any other physician saw Mike?
 9 A Not that I'm aware of, or recall.
 10 Q And nurses from the hospital. Is that right?
 11 A Correct.
 12 Q What about respiratory therapists?
 13 A Well, they would have done the EKG.
 14 Q Okay. And what about -- there were no physicians'
 15 assistants to your knowledge.
 16 A (NO VERBAL RESPONSE)
 17 Q Any other -- that's a no?
 18 A That's a no. I'm sorry.
 19 Q Okay, any other medical personnel to your
 20 knowledge?
 21 A Not that I can recall.
 22 Q The blood pressure of a hundred and seventy (170)
 23 over a hundred (100) seems to be recorded at the top
 24 here. Is that still considered elevated?
 25 A Yes.

1 Q Is that top section under nursing notes, was that
 7 written by the nurses to your knowledge?
 3 A Yes, to my knowledge.
 4 Q Do you know which nurse?
 5 A Well, it's signed over here, C. Liinbaugh, so I
 6 assume that she wrote it although they do a lot of team
 7 nursing, so --
 8 Q Do you know that nurse?
 9 A Yes.
 10 Q Does she still work there?
 11 A Yes.
 12 Q Do you know whether or not you read her nursing
 13 note when you took care of Mike Wiley?
 14 A I know I read it eventually. When I --whether I
 15 read it before or after I saw the patient, I don't
 16 recall. When they're coming by ambulance, a lot of
 17 time I see them before the nurses have the capability
 18 to write their notes.
 19 Q When you say you read it eventually, you mean that
 20 day?
 21 A Yes.
 22 Q Do you also get oral report from the EMS squad?
 23 A Yes.
 24 Q You personally?
 25 A Well, they call in on the radio. I usually don't

1 answer the phone or the radio. It's usually taken by a
 2 nurse.
 3 Q Do you get all the reports from your emergency
 4 room nurses?
 5 A Yes.
 6 Q Now below that section of nursing notes under
 7 physical exam, would you agree with me that the
 8 handwriting looks different than it does up at the top?
 9 A It changes.
 10 Q Do you know who wrote that?
 11 A There's a couple lines there that are the -- still
 12 the nurses, but then the rest of that is mine.
 13 Q So under physical exam, some of this writing is
 14 yours?
 15 A Yes.
 16 Q What about the orders? Whose handwriting is that?
 17 A It looks like it's mine.
 18 Q In your notes that you wrote, it says, for
 19 example, chest x-ray, per ER doc, EKG per ER doc. Why
 20 is it written per ER doc?
 21 A Because I was the one that read them.
 22 Q But that's the way you indicate that --
 23 A Right.
 24 Q -- as opposed to saying me or I?
 25 A Right.

1 Q Can you translate the orders that you wrote,
 2 please?
 3 A Dawn -- over here?
 4 Q Yes, sir, on that same page we're talking about
 5 A There was an order for Mylanta, Donnato to be
 6 given orally. An order for some ibuprofen to be given
 7 orally, and then some sublingual nitro was ordered at a
 8 later time
 9 Q Was the nitro only ordered and given once?
 10 A Correct
 11 Q What was the purpose for the mylanta and dynatol?
 12 A He had reported some nausea
 13 Q Is that what you would call a GI cocktail?
 14 A Correct
 15 Q Does a GI cocktail routinely include morphine?
 16 A Not when I give it, no
 17 Q How come you did not give this patient morphine in
 18 the emergency room?
 19 A Because I didn't feel it was indicated
 20 Q Why didn't you feel it was indicated?
 21 A Because my initial physical exam and history led
 22 me to believe that this was muscle skeletal pain and I
 23 don't treat muscle skeletal pain with morphine
 24 Q Let's go to the next page which for me looks like
 25 that

1 A Sure.
 2 Q Do you have the same page there?
 3 A Yeah.
 4 Q It appears to me that the nursing note box towards
 5 the top of that page is simply a carbon of the nurse's
 6 notes from the prior page.
 7 A Correct.
 8 Q And then are these notes down here in the
 9 reassessment section, are those all nurses' notes?
 10 A Correct.
 11 Q Does your hand writing appear anywhere in that
 12 section?
 13 A No.
 14 Q Would you have -- did you read -- let me rephrase
 15 that.
 16 Did you read these notes while you were taking
 17 care of the patient?
 18 A I don't recall offhand. I particularly do. A lot
 19 of times the nurses just tell me what they wrote before
 20 they write it.
 21 Q Do you have any specific recollection of that in
 22 this case?
 23 A Of being told or reading them?
 24 Q Either one.
 25 A No.

1 Q So you're just telling me what your routine is?
 2 Is that correct?
 3 A Correct.
 4 Q When do you initially form your first differential
 5 diagnosis on a patient in the emergency room?
 6 A When I do my initial history and physical.
 7 Q Okay, well, is it just based on history or do you
 8 include the physical?
 9 A Well, I include physical.
 10 Q Do you remember -- I'm som. First of all, do
 11 you use a differential diagnosis system in evaluating
 12 patients?
 13 A yes.
 14 Q Do you specifically remember what your
 15 differential diagnosis was on Mike Wiley when you did
 16 your history and physical exam?
 17 A Well, I thought most likely he had --
 18 Q Well, wait a minute. I don't mean to cut you off.
 19 Do you specifically remember what your differential
 20 was?
 21 A Specifically remember?
 22 Q Yeah.
 23 A No.
 24 Q Okay, based on your now having re-read your notes,
 25 the history notes, the physical notes, and then your

1 approach, what you did to evaluate, most likely what
 2 was your differential diagnosis?
 3 A Well, high on the list was muscle skeletal pain.
 4 but he had risk factors for heart disease that I was
 5 going to need to evaluate and make sure I wasn't being
 6 prejudiced by my physical findings, and problems with
 7 the lungs, such as pneumo thorax was also a
 8 consideration.
 9 Q Did you consider pulmonary embolism at all?
 10 A I didn't think that was very high. No I don't
 11 think I did, in this case.
 12 Q Well, was acute myocardial infarction in your
 13 differential?
 14 A Yes.
 15 Q Was unstable angina in your differential?
 16 A Yes. I was just initially of classifying them as
 17 heart problems.
 18 Q What was it about the history and physical that
 19 put musculoskeletal pain at the top of the list?
 20 A Well, he was sweating quite a bit, diaphoretic.
 21 It was a hot July day. He was doing physical activity.
 22 So that -- that could actually be consistent with
 23 either muscle or heart problems. But the physical exam
 24 -- he had strongly reproducible symptoms on physical
 25 exam were palpation of the chest and the movements of

<p style="text-align: center;">Page 68</p> <p>1 the chest. So muscle skeletal seemed much more likely</p> <p>2 than heart, but he did have risk factors, so I need to</p> <p>3 evaluate him from that aspect.</p> <p>4 Q When you've got a patient who comes in sweating,</p> <p>5 complaining of chest pain, but it is the middle of a</p> <p>6 hot July day, presumably it is hot, how do you</p> <p>7 differentiate between diaphoresis and exertion or</p> <p>8 sweating?</p> <p>9 A There is no way to really differentiate that.</p> <p>10 Q Okay. So in the condition that Mike was brought</p> <p>11 into the emergency room, you would have to consider</p> <p>12 that he may have been having diaphoresis?</p> <p>13 A Although it could have been from a cardiac event,</p> <p>14 I would have suspected that he would have maintained</p> <p>15 his diaphoresis, whereas the nurses' notes are that he</p> <p>16 was warm and dry or arrival other than for a wet shirt</p> <p>17 where the ambulance had put an ice bag on him.</p> <p>18 Q Are men at higher risk for acute myocardial</p> <p>19 infarction or unstable angina?</p> <p>20 A In the forty (40) age group, yes.</p> <p>21 Q To the best of your knowledge, did you ever call</p> <p>22 Dr. Resseger, R-E-S-S-E-G-E-R?</p> <p>23 A Not that I recall and if had of I would have sure</p> <p>24 made a note of it.</p> <p>25 Q Is chest pain developing with physical exertion</p>	<p style="text-align: center;">Page 71</p> <p>1 do have musculoskeletal pain. do they usually have</p> <p>2 blood pressures of two hundred and twenty (220) over a</p> <p>3 hundred and twenty (120) or later in this case for Mike</p> <p>4 a hundred and seventy (170) over a hundred (100)?</p> <p>5 A This gentleman had been exercising, in essence,</p> <p>6 shoveling, and your blood pressure tends to go up as</p> <p>7 you're active.</p> <p>8 Q Okay. And does it tend to stay up?</p> <p>9 A Well, it came down significantly by the time he</p> <p>10 came to the hospital.</p> <p>11 Q But was still hypertense or any range that would</p> <p>12 be considered hypertense.</p> <p>13 A He was in a range that does not require immediate</p> <p>14 treatment.</p> <p>15 Q Was it in a range though that you would have to</p> <p>16 consider at least in evaluating the overall condition</p> <p>17 of the patient?</p> <p>18 A Many patients come to the ER and just by the fact</p> <p>19 that they're there, their blood pressure is up. So at</p> <p>20 this level, it was not a major concern.</p> <p>21 Q Did his blood pressure ever go into what you</p> <p>22 consider to be a normal range?</p> <p>23 A It continued to come down. I believe there's a</p> <p>24 record in the nurses' notes of a hundred and fifty</p> <p>25 (150) over ninety-six (96) at one point, and a hundred</p>
<p style="text-align: center;">Page 69</p> <p>1 suggest ischemia?</p> <p>2 A It can.</p> <p>3 Q Do you have any note that indicates when his chest</p> <p>4 pain started in relationship to when he started</p> <p>5 shoveling?</p> <p>6 A You mean how long he'd been shoveling?</p> <p>7 Q Yes, sir.</p> <p>8 A No.</p> <p>9 Q Do you have any notes in your chart from either</p> <p>10 you or the nurses that indicate how long it was from</p> <p>11 the onset of chest pain until he was evaluated in the</p> <p>12 emergency room?</p> <p>13 A My understanding, if I recall the chart correctly,</p> <p>14 was the onset of pain was approximately one -- 1:00</p> <p>15 p.m.</p> <p>16 Q Can you point out to me in the chart where that</p> <p>17 indicates that?</p> <p>18 A I think that would be my note here where I got</p> <p>19 approximately 1:00 p.m. under past/medical/social.</p> <p>20 Q You're talking about the first page I started</p> <p>21 asking you about.</p> <p>22 A Yes.</p> <p>23 Q PMH social and --</p> <p>24 A Right.</p> <p>25 Q -- then there's an approximate 1:00 p.m.?</p>	<p style="text-align: center;">Page 72</p> <p>1 and forty-eight (148) over ninety-two (92) at another</p> <p>2 point.</p> <p>3 Q What I'd like to know, however, is whether it came</p> <p>4 down to what you consider to be a normal range?</p> <p>5 A It was never in the normal range, but it was not</p> <p>6 at a level that required immediate treatment.</p> <p>7 Q Smoking is a risk factor for heart disease, is it</p> <p>8 not?</p> <p>9 A That's correct.</p> <p>10 Q At the end of the first paragraph of your</p> <p>11 typewritten emergency room record, the history of the</p> <p>12 present illness, there's a comment about a slight cough</p> <p>13 occasionally productive of some yellow sputum. Do you</p> <p>14 see that comment?</p> <p>15 A Correct.</p> <p>16 Q Was that significant to you at all in evaluating</p> <p>17 this patient?</p> <p>18 A Not particular, no, not in the end.</p> <p>19 Q A couple sentences before that it says he is</p> <p>20 beginning to feel better and the pain had markedly</p> <p>21 decreased with actually no intervention or therapy</p> <p>22 other than the application of ice water to his shirt.</p> <p>23 Do you see that?</p> <p>24 A Correct.</p> <p>25 Q That is not actually true, is it?</p>
<p style="text-align: center;">Page 70</p> <p>1 A 1:00 p.m. Yes</p> <p>2 Q And your testimony is that's an indication of when</p> <p>3 the chest pains started?</p> <p>4 A It's important for us to know the onset of pain,</p> <p>5 so that we know if we're going to use thrombolytics,</p> <p>6 and I must have asked him that, I would assume, very</p> <p>7 early, and I would have made a note there so that I</p> <p>8 could recall it later for dictation or any decision-</p> <p>9 making thoughts down the line. So that would have been</p> <p>10 an important thing for me to jot down and not to --</p> <p>11 Q But that's what you believe that particular</p> <p>12 notation refers to?</p> <p>13 A Yes</p> <p>14 Q Are you sure of that?</p> <p>15 A I am as sure as I can get</p> <p>16 BY MR MEADOWS We've been at it for an</p> <p>17 hour and a half. At a good point, can</p> <p>18 we take about five minutes just for a</p> <p>19 short bathroom break?</p> <p>20 BY MR MORIARTY Yeah, let me see --</p> <p>21 well might as well do it right now</p> <p>22 This is as good a time as any</p> <p>23 (OFF THE RECORD)</p> <p>24 BY MR MORIARTY</p> <p>25 Q Doctor, when you've got patients who come in who</p>	<p style="text-align: center;">Page 73</p> <p>1 A I'm not sure I understand.</p> <p>2 Q Well the EMS group did more than apply ice water</p> <p>3 to his shirt.</p> <p>4 A They started an IV and probably placed him on some</p> <p>5 oxygen.</p> <p>6 Q By nasal cannula?</p> <p>7 A Correct.</p> <p>8 Q So there was some more therapy than ice water,</p> <p>9 correct?</p> <p>10 A That's correct.</p> <p>11 Q By the time you saw Mike Wiley, did he already</p> <p>12 have an IV in place in the emergency room?</p> <p>13 A I believe so from the EMS chart.</p> <p>14 Q Did he continue to be on oxygen therapy?</p> <p>15 A The nurses started him on or continued him on some</p> <p>16 oxygen therapy.</p> <p>17 Q When you question a patient who has chest pain, do</p> <p>18 you suggest terms to the patient?</p> <p>19 A I try not to.</p> <p>20 Q After they've given you their original</p> <p>21 description, do you suggest terms?</p> <p>22 A I try to use their terms.</p> <p>23 Q So the burning term would have been Mike Wiley's?</p> <p>24 A I would assume so.</p> <p>25 Q To your knowledge has that term in and of itself</p>

1 been studied with relation to the incidents of patients
 2 who have either acute myocardial infarction or unstable
 3 angina?
 4 A A study of the use of the word burning?
 5 Q Yes, sir.
 6 A I'm not aware of any.
 7 Q I think you may have said this before, but
 8 shortness of breath would be a sign or symptom of acute
 9 myocardial infarction, would it not?
 10 A It could be.
 11 Q Would it also be a sign or symptom of unstable
 12 angina?
 13 A Could be.
 14 Q Do you recall anything else about Mike Wiley's
 15 chest pain other than as recorded and your typewritten
 16 emergency room record?
 17 A Not offhand, I guess.
 18 Q From what you have recorded here, would you
 19 consider the pain to have been substernal?
 20 A I'd have to read my notes. I couldn't comment on
 21 that. I have a feeling that it's not, but I couldn't
 22 say specifically from what I've charted.
 23 Q Would that be important to know?
 24 A My chart that is chest pain's reproducible, so I
 25 tend to think it was more lateral, but I haven't

1 Q But it does not rule out unstable angina, does it?
 2 A Well if you're having unstable angina, you're
 3 likely to have some non-specific changes on the EKG,
 4 but it doesn't rule it out.
 5 Q Well, what percentage of patients who are having
 6 unstable angina will have EKG changes?
 7 A I can't give you a percentage.
 8 Q Let me batch all of the cardiac enzymes together,
 9 if I could for a moment. Is it your testimony that
 10 based on all these cardiac enzymes, acute myocardial
 11 infarction was unlikely?
 12 A I would say based on the myocardial -- the
 13 myoglobin enzyme, acute myocardial infarction was
 14 highly unlikely.
 15 Q When you say highly unlikely, what do you mean?
 16 A Nearing ninety-nine (99) to a hundred (100)
 17 percent assurance.
 18 Q Okay. Given all the -- all or collectively all
 19 the cardiac enzymes, are any of the individual ones
 20 that you want to point out?
 21 A (NO VERBAL RESPONSE)
 22 Q Did they rule out unstable angina?
 23 A No.
 24 Q Did the cardiac enzymes as reported in the chart
 25 influence your decision either way on whether or not

1 charted anything specific to that.
 2 Q You didn't actually use the word reproducible in
 3 your record, did you?
 4 A Yes, I did -- I think, let me check. Yeah,
 5 clinical impression. It does appear to be reproducible
 6 on palpation to the chest wall so I feel this most
 7 likely is chest wall pain.
 8 Q Where is that?
 9 A Clinical impression.
 10 Q Okay. Does the reproducibility of the pain rule
 11 out acute myocardial infarction?
 12 A Well, it could have two processes going on, I
 13 suppose, yes.
 14 Q Does it rule out unstable angina?
 15 A It makes it less likely.
 16 Q But it doesn't rule it out?
 17 A No.
 18 Q Do you know whether the patient got the Mylanta
 19 and Donnato before your physical exam?
 20 A It looked like he had got it after my physical
 21 exam cause the nurses' notes, if I read their notes
 22 correctly, Dr. Branch -- it says notify.
 23 Q Is there any note of when you did your history and
 24 physical?
 25 A Usually they -- nurses note when I go in.

1 this patient had unstable angina?
 2 A I think what influenced me most about this not
 3 being angina was his non-response to nitroglycerin.
 4 Q Okay, we'll get to that. But the enzymes
 5 themselves didn't sway you either way on a decision of
 6 unstable angina in Mike Wiley's case.
 7 A Correct.
 8 Q According to the nurses' notes, when Mike Wiley
 9 came in, his pain level was a nine on a ten scale. Is
 10 that correct?
 11 A Well, it's unclear to me whether that was the
 12 initial pain. I think I read that as the initial pain.
 13 Q Would that be important to know?
 14 A I suppose. He did improve by the time he got to
 15 the hospital, I believe his pain was a five.
 16 Q Do you know when he went from a nine to a five in
 17 his level of pain?
 18 A I couldn't say with certainty from the chart.
 19 Q Is fire still severe chest pain?
 20 A Well, severe is a subjective term, but it's the
 21 middle of the scale.
 22 Q Well, if a -- let me put it to you this way.
 23 Could a patient who was having an acute myocardial
 24 infarction have pain on a level of five?
 25 BY MR. MEADOWS: Objection.

1 Sometimes I'll note on the chart, if it's available to
 2 me when I'm doing my history physical. There's a place
 3 for history time. Many times I do fill that out.
 4 Q Is it filled out in this case?
 5 A No. I can't say when I did the history physical.
 6 I would assume that I did it before I ordered the
 7 Mylanta and Donato.
 8 Q Okay. Did you read the EKG itself or just rely on
 9 a computer reading?
 10 A I read the EKG myself.
 11 Q And based on your review of the EKG, an acute
 12 myocardial infarction was unlikely?
 13 A There was no evidence on the initial EKG of a
 14 myocardial infarction.
 15 Q Okay. And there was no evidence in your opinion
 16 of an -- of an acute myocardial infarction on the
 17 second EKG?
 18 A That's correct.
 19 Q So you told me earlier that the initial EKG is
 20 only twenty-five (25) to fifty percent (50%) accurate.
 21 When you put a second one on top of it within an hour
 22 to two hour span of time, how much more accurate is it
 23 for ruling out an acute myocardial infarction?
 24 A I can't give you the statistic, but it does go up
 25 significantly.

1 BY MR. CHAPMAN: Objection.
 2 A A patient can have an acute myocardial infarction
 3 and not have any pain.
 4 Q Okay. So the same would be true for unstable
 5 angina. A patient complaining of pain on a five level
 6 could be having unstable angina.
 7 A Yeah.
 8 Q Of what significance, if any, was the diminution
 9 of the pain from a nine to a five?
 10 A That may indicate -- be more evidence possibly of
 11 angina, because angina usually gets better with rest.
 12 Q Okay. So the fact that he went from a nine to a
 13 five certainly didn't rule out unstable angina?
 14 A No.
 15 Q In fact, it made unstable angina -- let me
 16 rephrase that. In fact, going from a nine to a five
 17 with rest and treatment would make you slightly more
 18 suspicious of unstable angina. Would it not?
 19 A In the face of a normal EKG, it makes angina maybe
 20 a little ahead of a MI in the differential, but my
 21 strong clinical impression was still that this was
 22 muscle skeletal.
 23 Q What was -- my reading of this chart indicates
 24 that he -- his level of pain never dropped below a
 25 five. Is that the way you see this chart?

Page 80

1 A Yeah, that would be correct.
 2 Q What's the significance, if any, to you of the
 3 fact that he stayed at the level of five through the
 4 remainder of his emergency room stay?
 5 A It's consistent with muscle pain.
 6 Q Is it consistent with anything else?
 7 A Well, I was concerned about angina, so I did give
 8 him a nitro sublingual, and all the indications were
 9 that he had not had a heart attack. So that, I says,
 10 well maybe this is angina, so I had the nurses give him
 11 a sublingual nitro, and I would suspect that that
 12 should alter -- it may not take it away, but should
 13 alter his sensation of pain if it's angina, but
 14 wouldn't affect muscle skeletal pain.
 15 Q In what percentage of cases will sublingual nitro
 16 decrease ischemic chest pain from unstable angina?
 17 A I couldn't give you a percentage. I mean my
 18 clinical experience -- if they have a normal EKG with
 19 no ischemic changes having suspected, should be a minor
 20 ischemic change that should rapidly respond.
 21 Q Let me ask you this way. The fact that Michael
 22 Wiley's level of pain apparently did not decrease in
 23 response to the nitroglycerin, did that rule out
 24 unstable angina?
 25 A It made it extremely unlikely, highly unlikely in

Page 81

1 my mind, yes.
 2 Q And what's highly unlikely in your mind again?
 3 A Highly unlikely is highly unlikely.
 4 Q You don't attach any particular percentage to
 5 that?
 6 A You can if you like, but I'm not going to.
 7 Q For how long was Mike Wiley in the emergency room
 8 after the sublingual nitro was given?
 9 A Twenty-two (22) minutes.
 10 Q Sublingual nitro has relatively rapid onset,
 11 doesn't it?
 12 A That's correct.
 13 Q Wouldn't it have been reasonable and prudent to
 14 repeat the administration of sublingual nitro when the
 15 initial dose did not change the pain at all?
 16 A That would have been a clinical option, but I was
 17 highly impressed with his clinical presentation of
 18 muscle skeletal pain.
 19 Q Have you seen instances in which, although an
 20 initial dose of sublingual nitro may not have affected
 21 a level of pain, a subsequent serial dose within thirty
 22 (30) minutes has?
 23 A I can't recall any specific instances of that.
 24 Q Is dosing several times with nitroglycerin --
 25 nitroglycerin a more sensitive way to evaluate response

Page 82

1 in the face of potential chest pain of ischemic origin?
 2 BY MR. MEADOWS: Objection
 3 A I'm not aware that it's more sensitive
 4 Q Could be and you're just not aware of it?
 5 A I'm not aware of any studies that show that it's
 6 more sensitive
 7 Q Could there be such studies, and you simply are
 8 not aware of them for some reason?
 9 A There could be
 10 Q Okay, let me go to the last page of your -- I'm
 11 sorry, I didn't -- at the bottom of the same page I was
 12 asking you about, where it says diagnosis Do you see
 13 that?
 14 A Yes
 15 Q And would you have had a differential diagnosis at
 16 that point?
 17 A At that point I felt that it was inter left chest
 18 wall pain I guess this is where I think that it was
 19 not substernal because here I specifically write left
 20 chest wall
 21 Q Okay, but would you have -- would you have had a
 22 differential, which included more than just this
 23 diagnosis, at that point in the evaluation?
 24 A At this point in the evaluation, I'm highly
 25 confident with this diagnosis

Page 83

1 Q Okay. Acute left chest wall pain just describes a
 2 time of onset and a location, does it not?
 3 A Correct.
 4 Q And is it -- is it your testimony that your
 5 opinion at the time was that the cause of this was
 6 musculoskeletal?
 7 A Correct.
 8 Q In your opinion most likely during the shoveling,
 9 right?
 10 A Correct.
 11 Q How confident were you of that diagnosis?
 12 A Highly confident.
 13 Q And what does that mean?
 14 BY MR. MEADOWS: Rather than highly
 15 confident?
 16 BY MR. MORIARTY: Mm?
 17 BY MR. MEADOWS: You've asked him that a
 18 few times, and I don't know if he can --
 19 Q Can you tell -- I can't crawl into your head and
 20 understand what that means. Are you able to describe
 21 to me in any more detail what it means when you say
 22 highly confident?
 23 BY MR. MEADOWS: If you can, do it. If
 24 you can't, tell him no.
 25 A I can't.

Page 84

1 Q Do you think you had a ninety percent (90%)
 2 confidence level at that time?
 3 A I was highly confident.
 4 Q You can't answer that question?
 5 A I'm not going to put a number on it.
 6 Q And the reason you did not repeat the enzymes or
 7 consult an internist or a cardiologist was because that
 8 you were highly confident that this was musculoskeletal
 9 in origin?
 10 A Correct.
 11 Q In hindsight, knowing now what happened several
 12 days later, and having read the autopsy report, what in
 13 your opinion, to a reasonable degree of medical
 14 probability, was happening with Mike Wiley when he was
 15 in the emergency room on July 16th, 1997?
 16 BY MR. MEADOWS: Objection.
 17 A I have no opinion.
 18 Q How come you don't have an opinion? You don't
 19 have enough information?
 20 A That's correct.
 21 Q What more information would you need to know?
 22 A Follow-up medical records.
 23 Q You mean of the four or five days between this
 24 emergency room and his death?
 25 A When he was to see his family doctor.

Page 85

1 BY MR. MEADOWS: I'm going to show an
 2 objection at this point because I had
 3 put out discovery requests myself
 4 several months ago, and it's my
 5 understanding to date I have not
 6 received such responses, although over
 7 the weekend I received a copy of
 8 responses that had been propounded on
 9 behalf of the hospital. So if there are
 10 any subsequent medical records or any
 11 medical records that would have fallen
 12 within the documents that I requested, I
 13 don't know that it's appropriate for you
 14 to question this witness on those at
 15 this time. I think there may even be
 16 some petty motions to that effect.
 17 BY MR. MORIARTY: I'm not asking him
 18 about any specific medical records. I
 19 just want to know his opinion. If he
 20 doesn't have an opinion, he can tell me
 21 that, and then if he develops one later,
 22 then at least he can supplement it.
 23 BY MR. MEADOWS: Okay.
 24 Q Doctor, do you believe now, knowing that Mike
 25 Wiley died most likely of a heart attack several days

1 later, do you believe that it is at least possible that
 2 he was having unstable angina. when he was in your
 3 emergency room on July 16th?
 4 BY MR. MEADOWS: Objection. The first
 5 part of your question states as a fact
 6 that he died of a heart attack.
 7 BY MR. MORIARTY: Okay, I'll rephrase
 8 the question.
 9 Q When you read the autopsy. what did you conclude
 10 about the cause of his death?
 11 A It was unclear.
 12 Q Was heart attack, acute myocardial infarction, one
 13 of the potential causes?
 14 BY MR. MEADOWS: Objection. He's not
 15 the pathologist --
 16 A I'm not a pathologist, but the way I read that
 17 wasn't consistent with what a path report for acute
 18 myocardial infarction should show.
 19 Q Was it a coronary death?
 20 BY MR. MEADOWS: Objection of form -- I
 21 don't know what that means.
 22 A I couldn't say.
 23 Q All right. Did you dictate this discharge summary
 24 yourself, this emergency room note?
 25 A Yes, I did.

1 Q Throughout this I've asked you about various risk
 2 factors. Before I sort of put those together, let me
 3 ask you, what was Mike Wiley's weight when he was
 4 evaluated in your emergency room?
 5 A It's unclear.
 6 Q Is it at least possible that weight can be,
 7 specifically overweight, can be a risk factor for heart
 8 disease?
 9 A Correct.
 10 Q Do you know whether or not he was overweight?
 11 A He wasn't.
 12 Q Why do you say that?
 13 A I read the autopsy report.
 14 Q Okay. Do you know whether or not the weight
 15 recorded in the autopsy is accurate?
 16 A No.
 17 Q Do you remember him being -- I think the autopsy
 18 said like a hundred and twenty-six (126) pounds. Do
 19 you remember Mike Wiley being a skinny hundred and
 20 twenty-six (126) pounder?
 21 A I don't remember him being overweight.
 22 Q Okay. You don't remember either way?
 23 A Well, I remember the tattoos on what I would
 24 consider probably a normal frame or a regular frame.
 25 Q Okay. Well, if you have a male patient in his

1 forties who comes in complaining of a sudden onset of
 2 burning chest pain, and it came on with exertion, and
 3 he is a smoker -- he's got, at least by measurement in
 4 the ambulance and in the emergency room, elevated blood
 5 pressures and pulse rates, you would agree that acute
 6 myocardial infarction and unstable angina should have
 7 been in the differential diagnosis?
 8 A They were.
 9 Q You would agree that they should be?
 10 A They should and were in the differential.
 11 Q Okay. And some of the -- or all of the things
 12 that I mentioned are at least some risk factors for
 13 heart disease. Is that correct?
 14 A Correct.
 15 Q This page in the medical record, could you turn to
 16 it, please? Is this -- how many leads would have been
 17 connected to him to lead to this monitor strip?
 18 A He has three, I think here.
 19 Q This is not a twelve (12) lead EKG?
 20 A Correct.
 21 Q Was he under continuous twelve (12) lead EKG
 22 monitoring when he was in the emergency room?
 23 A We don't have that capability.
 24 Q And these printouts at the bottom, HR I assume is
 25 heart rate.

1 A Correct.
 2 Q Respire -- R-E-S-P, would that be his
 3 respiratory rate?
 4 A Correct.
 5 Q SPO2, is that is his pulse oximetry?
 6 A Correct.
 7 Q What's the hundred and forty (140) NBPs? I'm
 8 sorry, a hundred and forty (140) -- what is -- what's
 9 the category that says NBPs?
 10 A Blood pressures, systolic --
 11 Q Systolic and then NBP is diastolic?
 12 A Diastolic.
 13 Q And what -- then what's NBPM mean?
 14 A Mean pressure.
 15 Q Does that mean arterial pressure?
 16 A You mean blood pressure, yeah.
 17 Q Okay. Did you read the chest x-ray yourself?
 18 A Yes, I did.
 19 Q Was the radiologist's report available prior to
 20 discharge?
 21 A No.
 22 Q Go to the home going instructions, if you would
 23 please. Did you actually write these home going
 24 instructions?
 25 A I did.

1 Q Please tell us what the instruction is regarding
 2 an appointment with his family doctor.
 3 A It says call to arrange an appointment at his
 4 family doctor in two to four days for follow-up care.
 5 Q So he was supposed to call his family doctor
 6 within two to four days?
 7 A Well I expected him to call that day and set up an
 8 appointment for two to four days.
 9 Q Is that what you told him, to call that day to set
 10 up an appointment within two to four days?
 11 A Well, I don't recall which day of the week that
 12 was, but that would have been my standard discharge
 13 verbal instruction.
 14 Q I'm sorry, you don't specifically recall what you
 15 talked with Mike Wiley or his wife about as far as when
 16 to place the call and when to see his doctor?
 17 A Uh--
 18 Q Do you specifically recall?
 19 A I don't specifically recall. I know my general
 20 standard discharge verbal instructions.
 21 Q In your opinion, did you comply with the standard
 22 of care when you treated Mike Wiley in the emergency
 23 room on July 16th?
 24 A Yes, I did.
 25 Q And why is that?

1 A Well. I met the -- I think I went beyond the
 2 ordinary proven position.
 3 Q Why?
 4 A I clinically felt this was muscle skeletal on my
 5 initial exam. I waited two and a half hours before
 6 even ordering the initial cardiac enzymes. That's
 7 giving him a chance to elevate. I did a second EKG
 8 shortly before he was discharged to make sure nothing
 9 had changed or developed, and I had given him some
 10 nitroglycerin looking for a response to angina which I
 11 didn't get. Clinically it still looked like he had
 12 muscle skeletal pain.
 13 Q Is left chest wall pain a sign or symptom of
 14 ischemia?
 15 A Could be.
 16 Q What was it about Mike Wiley that led you to
 17 conclude that his diagnosis was musculoskeletal in
 18 origin?
 19 A It was reproducible palpation of the chest wall
 20 and with movement to the chest wall, and none of the
 21 objective testing disproved or proved anything else.
 22 Nothing disproved my clinical impression.
 23 Q Yeah, I don't mean to go over things that I asked
 24 you before, but it's difficult to sit here and remember
 25 what I've been over before sometimes, so excuse me.

Page 92

1 Bear with me. And your lawyer will tell me if I'm
2 repeating myself anyway. If -- I think what you just
3 told me is that left chest wall pain can be ischemic in
4 origin, correct?
5 A Yes.
6 Q Okay. If left chest wall pain is reproducible on
7 palpation or movement, does it diminish your suspicion
8 that it is ischemic in origin?
9 A Correct.
10 Q Does it -- does it eliminate the possibility that
11 it is ischemic in origin?
12 A Not a hundred percent (100%), no.
13 Q Can you put a percent on that?
14 A No.
15 Q So it diminishes the chances of it being ischemic
16 by some unknown amount.
17 A Correct.
18 Q But leaves the possibility of it still being
19 ischemic?
20 A Correct.
21 Q And then you said that it was reproducible with no
22 objective evidence to prove otherwise. When you say
23 objective evidence, are you talking about the EKG, the
24 enzymes --
25 A The chest x-ray and the therapeutic maneuvers.

Page 95

1 A I think they ruled out an MI.
2 Q Completely?
3 A Mm-hmm.
4 Q Yes?
5 A Yes.
6 Q And collectively these tests, including the non-
7 response to sublingual nitro and the reproducibility of
8 the pain, did it make unstable angina impossible?
9 A It made it highly unlikely.
10 Q Would you agree that at least in your own opinion,
11 acute MI was ruled out more definitively than was
12 unstable angina?
13 A I felt they were both essentially unlikely.
14 Q Well, EKGs and enzymes are not as accurate in the
15 diagnosis of unstable angina, as they are for acute MI,
16 correct?
17 A Correct.
18 Q So to that extent, that those were part of your
19 assessment, even if it's just a little bit, unstable
20 angina was not as definitively ruled out as acute MI in
21 your mind in this case. Is that true?
22 BY MR. MEADOWS: Based on those two
23 tests?
24 A On the clinical picture, I didn't think it was
25 angina.

Page 93

1 Q And I'm sorry, the last one?
2 A Therapeutic maneuvers.
3 Q Such as?
4 A The administration of sublingual nitro.
5 Q Okay. The chest x-ray would not be expected to
6 diagnose -- withdraw that.
7 A chest x-ray wouldn't be expected to rule in or
8 rule out either way a heart attack or unstable angina,
9 would it?
10 A Well, if they have pulmonary edema it suggests
11 more of a heart origin.
12 Q Normally it wouldn't.
13 A Well, I see a fair amount of people with pulmonary
14 edema from heart attacks.
15 Q Okay. In this case, the negative chest x-ray did
16 not completely rule out heart attack or unstable
17 angina, did it?
18 A Correct.
19 Q And the EKG did not rule out unstable angina?
20 A The second one, normal one, makes it highly
21 unlikely.
22 Q It didn't rule it out, unstable angina, not
23 myocardial infarction.
24 A Oh, I'm sorry. It decreases the probability.
25 Q Okay, but didn't rule it out. And the normal

Page 96

1 Q Clinical picture, meaning what?
2 A The reproducibility of his chest pain.
3 Q Was that an important factor in your mind?
4 A Yes.
5 Q Did you give any consideration to running an
6 arterial blood gas?
7 A If his pulse ox was pretty good, ninety-seven
8 percent (97%), not really, no.
9 Q If a patient is having unstable angina, are they
10 going to be hypertense or hypotense?
11 A Difficult to say -- they probably would be more
12 likely to be hypertensive -- could be normotensive.
13 Q If a patient has got unstable angina, should the
14 symptoms be relieved by rest?
15 A Usually they're decreased.
16 Q Is shortness of breath precipitated by exertion an
17 anginal equivalent?
18 A I'm not sure I understand what you're asking.
19 Q Do you know what I mean by an anginal equivalent?
20 A I'm not sure how you're using that, no.
21 Q Have you heard the term?
22 A Not really.
23 Q Are patients with unstable angina at risk for
24 sudden cardiac death?
25 A I believe so, yes.

Page 94

1 range of the enzymes didn't rule out unstable angina.
2 A Correct.
3 BY MR. MEADOWS: Are you asking just in
4 isolation each of these tests?
5 BY MR. MORIARTY: Yes.
6 BY MR. MEADOWS: Not the -- to not
7 decide everything else?
8 BY MR. MORIARTY: Yeah I got to go
9 through them for my own mind.
10 BY MR. MEADOWS: Okay.
11 Q And the non-response to sublingual nitro didn't
12 rule out unstable angina.
13 A It made it unlikely.
14 Q Okay. And what you're telling me, if I can just
15 paraphrase, is that although individually these didn't
16 rule out unstable angina or an acute myocardial
17 infarction, collectively they made it unlikely?
18 A Highly unlikely.
19 Q Did they make it impossible?
20 BY MR. MEADOWS: Make what impossible?
21 An infarct or unstable angina?
22 Q Collectively did these tests that we've been
23 talking about, including the reproducibility on
24 physical exam, make it impossible that Mike Wiley was
25 having an acute myocardial infarction?

Page 97

1 Q I'm almost done, doctor. Just a couple more
2 things. Would you agree that ischemic pain lasting
3 more than fifteen (15) minutes, which is not relieved
4 by nitroglycerin or is accompanied by diaphoresis or
5 shortness of breath -- I'm sorry, diaphoresis or
6 shortness of breath, suggest a diagnosis of acute MI?
7 A Put in the night setting.
8 Q Okay.
9 A Those three and a twelve-year-old. I wouldn't --
10 twenty-year-old --
11 Q Okay.
12 A There may be other explanations for all those
13 symptoms.
14 Q Was there any particular downside to admitting to
15 Mike Wiley to the observation unit at the Fisher-Titus
16 Medical Center?
17 A What do you mean by downside?
18 Q Well, what would the harm have been to admit him
19 to the observation unit or consult with the internist
20 to at least consider that?
21 BY MR. MEADOWS: Objection.
22 A No harm.
23 BY MR. MORIARTY: Give me two minutes to
24 consult with my colleague here, and
25 we're close to being done or we are

1 done.
2 (OFF THE RECORD)
3 BY MR. MORIARTY:
4 Q In the circumstance where you've got a patient in
5 the emergency room who's complaining of chest pain,
6 and, you know, like Mike Wiley who has some risk
7 factors, and MI and unstable angina are in the
8 differential diagnosis, and in the course of evaluating
9 the patient, you've whittled it down to what you are
10 confident is musculoskeletal in origin, could you
11 increase the confidence level of that diagnosis by
12 keeping the patient longer and repeating the enzymes?
13 BY MR. MEADOWS: Objection. I'm not
14 sure it's a proper hypothetical, and it
15 truly is a hypothetical of sorts. With
16 that, if you can answer, go ahead.
17 A In this case, I didn't feel anything would be
18 gained by keeping him longer and repeating the enzymes.
19 Q I understand that you don't feel that in this
20 case, but could you increase the -- from a scientific
21 standpoint, can you increase the confidence in the
22 diagnosis if you do serial enzymes?
23 BY MR. MEADOWS: Objection.
24 A In ruling out the MI, but I felt confident that
25 I'd ruled that out.

1 CERTIFICATE
2
3 The State of Ohio) ss
4 County of Cuyahoga)
5
6
7 I, MARC EPPLER, a Notary Public within and for the
8 State of Ohio, duly commissioned and qualified, do
9 hereby certify that the abovenamed DAVID A. BRANCH,
10 O.O. was first duly sworn to testify the truth; that
11 the testimony then given by him was videotape recorded
12 and reduced to writing; that said deposition was taken
13 and that it was completed without adjournment; that the
14 foregoing is a true and correct transcript of the
15 testimony given by the witness as aforesaid; that I am
16 not a relative or counsel of either party or otherwise
17 interested in the event of this action.
18 IN WITNESS WHEREOF, I have hereunto set my hand and
19 seal of office in Cleveland, Ohio this 23rd day of
20 MARCH, A.D., 1999.
21
22
23 MARC EPPLER
24 Notary Public - State of Ohio
25 my commission expires 10-4-2003

1 Q Can you increase the confidence in the diagnosis,
2 if you administer nitro several more times?
3 A I'm not sure that it would have made -- I'm not
4 sure that that would increase confidence level.
5 Q You can -- you'd certainly agree that you can
6 increase the confidence level in the diagnosis if you
7 consult with an internist or a cardiologist who then
8 evaluates the patient in a observation unit for some
9 period of time. Would you not?
10 A If another doctor came in to evaluate the patient,
11 but in most cases they're not -- depending on when
12 they're admitted, they're not evaluated for several
13 hours.
14 Q I don't have anything else.
15 BY MR. NEEL:
16 Q I have one question, doctor. Do you have any
17 criticism of the nurses or of Fisher-Titus Hospital in
18 connection with this case?
19 A None.
20 BY MR. NEEL: Thank you.
21 BY MR. MEADOWS: We'll have him read it.
22 (END OF DEPOSITION)
23
24
25

1 I have read the foregoing page(s) 1 through 99 and
2 note the following corrections:
3
4 PAGE LINE CORRECTION
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22 DAVID A. BRANCH, D.O. DATE
23
24
25 99B0463