

## UNINSURED MOTORIST CLAIM

IN RE:

DOC 248

Robert McPherson,

vs.

Westfield Insurance Company.

- - - -

Deposition of DENNIS B. BROOKS, M.D., a witness  
herein, called for cross-examination by Robert  
McPherson, taken before Michelle A. Bishilany, a  
Registered Professional Reporter and Notary Public  
within and for the State of Ohio, at the offices of  
Dennis B. Brooks, M.D., 26900 Cedar Road, Beachwood,  
Ohio, on Thursday, the 13th day of August, 1992,  
at 4:22 p.m.

- - - -

HOLLAND & ASSOCIATES  
(216)621-7786

1 APPEARANCES:

2

Willis & Linnen, by  
3 Mr. Jerome T. Linnen, Jr.,

4

On behalf of Robert McPherson;

5

6

Davis & Young, by  
7 Ms. Jan L. Roller,

8

On behalf of Westfield Insurance  
Company.

9

10

11

12

- - - -

13

14

15

16

17

18

19

20

21

22

23

24

25

1 (Exhibit 1 was marked for  
2 identification purposes.)

3 - - - -

4 DENNIS B. BROOKS, M.D.,  
5 of lawful age, a witness herein, called for  
6 cross-examination by Robert McPherson, being by me  
7 first duly sworn, as hereinafter certified, deposed  
8 and said as follows:

9 CROSS-EXAMINATION

10 BY MR. LINNEN:

11 Q. Doctor, I'm Jay Linnen. I represent Bob  
12 McPherson in this action which we have against  
13 Westfield Insurance Company.

14 I'm sure you've been through this process  
15 many times. I'm going to ask you a few questions  
16 about your background very briefly and then I'm  
17 going to conduct a discovery deposition about your  
18 examination of Bob McPherson.

19 If I ask you a question and you don't  
20 understand, of course, let me know. Give a verbal  
21 response for the court reporter.

22 How long have you been an orthopedic surgeon?

23 A. Good afternoon.

24 How long have I been an orthopedic surgeon?

25 21 years -- well, actually that's not true. I

1 finished my residency in 1968. 24 years.

2 Q. 24 years you've been an orthopedic surgeon?

3 A. Yes.

4 Q. Have you been engaged in a specific specialty

5 in those 24 years?

6 A. No. I practice general orthopedics.

7 Q. Do you do surgery currently?

8 A. Yes.

9 Q. What types of surgeries do you do?

10 A. General orthopedic surgery.

11 Q. So you're not limited to any specific areas

12 of the body?

13 A. If I were I would have told you.

14 a. Okay. Approximately how many medical

15 examinations do you perform a week for attorneys?

16 A. I don't really keep track of it so I can't

17 tell you how many I perform per week for attorneys.

18 Q. All right. I'm not asking for a specific

19 number, but approximately how many times a week do

20 you find yourself examining patients for insurance

21 companies or attorneys?

22 A. Again, I don't keep track of it so I can't

23 tell you.

24 The only thing I can tell you is that I limit

25 myself to three examinations on behalf of

1 defendants.

2 I also examine on behalf of plaintiffs, and I  
3 don't know how many of those, I don't have any limit  
4 on the number of those.

5 Q. So that's three examinations a week?

6 A. On behalf of the defendant, yes.

7 Q. Have you ever performed an examination for  
8 Mr. Eklund or his firm prior to Mr. McPherson?

9 A. Yes.

10 Q. Do you have a file concerning Mr. McPherson?

11 A. Yes.

12 Q. May I take a look at it?

13 A. Sure.

14 Q. Thank you.

15 What do you generally charge for a so-called  
16 independent medical examination?

17 A. I don't have a general charge.

18 Q. Well what do you charge for that service; do  
19 you know? I assume that you're getting paid?

20 A. I certainly hope that I'm being compensated  
21 for my time.

22 When I do an independent medical I don't have  
23 a flat rate fee for an independent medical.

24 Q. Well then do you charge an hourly basis?

25 A. Yes.

1 Q. What would your hourly basis be then?

2 A. At the present time?

3 Q. Yes.

4 A. \$325 an hour.

5 Q. And you indicated that on occasion you  
6 perform examinations for plaintiffs?

7 A. Yes.

8 Q. I assume that's some type of independent  
9 examination at the request of the plaintiff's  
10 attorney?

11 A. Yes.

12 Q. Do you also -- on some of these independent  
13 medical examinations for attorneys do you also  
14 sometimes provide treatment?

15 A. Yes.

16 Q. So you examined Bob McPherson on May 28th of  
17 '92?

18 A. Yes.

19 Q. Approximately how long did the examination  
20 take, are you aware?

21 A. I don't know.

22 Q. No idea? All right.

23 Why don't we go through, we've previously  
24 marked as exhibit 1 your report which I believe you  
25 generated dated May 29th, '92.

1 I assume you've got, there was a copy I guess  
2 in your file, why don't you pull that out? We're  
3 going to skip over the history itself and let's go  
4 right to the physical examination that you performed  
5 and what complaints Mr. McPherson had on that date.

6 A. Whatever you'd like.

7 Q. Okay. What physical examination did you  
8 perform at the time of the IME?

9 A. Examined the cervical spine, his shoulders,  
10 his thoracic spine and his lumbosacral spine.

11 Q. Did you find any abnormalities with any of  
12 those areas of his body?

13 A. No, I did not find any abnormal objective  
14 findings with respect to any of those areas.

15 Q. Was there any indication of abnormal  
16 subjective findings?

17 A. Yes.

18 Q. What abnormal subjective findings did you  
19 discover?

20 A. There was limitation of cervical motion.  
21 There were complaints of pain with external rotation  
22 of the right shoulder.

23 There was decreased perception of pinprick in  
24 the left upper extremity. There was decreased  
25 perception of pinprick which extended from the

1 midline of the chest into the left upper extremity.

2 Extension was performed by walking up his  
3 thighs.

4 There was limitation of supine straight leg  
5 raising.

6 There was decreased perception of pinprick in  
7 the left lower extremity.

8 Q. Based on those subjective abnormalities did  
9 you draw any conclusions with respect to Mr.  
10 McPherson's condition? And from those findings did  
11 you make any conclusions that he had any abnormal  
12 condition?

13 A. I would never make any kind of conclusions  
14 based only on a physical examination.

15 Q. Okay. What other records did you take a look  
16 at?

17 A. I reviewed the emergency room record from  
18 Women's and Children's Hospital from March 24th,  
19 1989; the radiographs that were obtained on March  
20 24th, 1989; Chiropractor Shimmel's letter of March  
21 3rd, 1990; Dr. Sveda's records, the period between  
22 May 18th, 1989 and May 25th, 1989; Dr. Lefkovitz's  
23 letter of August 14th, 1989; Dr. Lefkovitz's letter  
24 of June 13th, 1989; Chiropractor Fakhoury's,  
25 F-a-k-h-o-u-r-y, handwritten records; Dr. Smith's



1 letter of August 30th, 1990; Dr. Burke's letter of  
2 August 31st, 1990; Dr. Mann's letter of March 16th,  
3 1992; and radiographs of the cervical spine obtained  
4 on January 8th, 1992.

5 Q. All right. Referring you to page four of  
6 your report. On the first paragraph you make an  
7 indication: Neurologic examination of the upper  
8 extremities reveal symmetrically depressed deep  
9 tendon reflexes. Can you explain that in laymen  
10 terms?

11 A. It meant that his reflexes were not active  
12 but they were depressed or not as active as the  
13 "average" is, but that decreased activity was  
14 present in each arm so that it was symmetrical.

15 Q. All right. If it's symmetrical does it cause  
16 any, does it raise any concern neurologically?

17 A. No. If it's symmetrical it does not raise  
18 any neurologic concern.

19 Q. Under any circumstances would that raise  
20 neurologic concern?

21 A. That's like asking me to define the universe  
22 and give examples.

23 Q. Then it ought to be easy.

24 A. It ought to be easy. I can't define the  
25 universe and give examples.

1           Are there any conditions where somebody could  
2 have symmetrically depressed deep tendon reflexes  
3 and that would be of concern neurologically?

4           I can't think of any.

5 Q.       All right. How do you actually go about  
6 doing that; can you show me?

7 A.       You want to come into the examining room? I  
8 mean, I can't believe you're asking these questions.

9           Take a little red rubber hammer and I put my  
10 finger over the biceps tendon and I palpate that. I  
11 also palpate the triceps tendon where it inserts  
12 into the ulna. And I also tap over the brachial  
13 radialis and the forearm.

14 Q.       And from that you determined he had depressed  
15 tendon reflexes?

16 A.       Yes.

17 Q.       You also indicate that the left upper  
18 extremity had decreased perception to pinprick. And  
19 I think you're indicating because he's the one that  
20 tells you how it feels that that would be  
21 subjective?

22 A.       That's correct.

23 Q.       As a doctor does a doctor from time to time  
24 use subjective tests to make a diagnosis; yes or no?

25 A.       No.

1 Q. Does he use that as one of the things in  
2 making a diagnosis?

3 A. Yes.

4 Q. And you also found symmetrically decreased  
5 deep tendon reflexes with the lower extremities and  
6 you didn't find that to be of any consequence; is  
7 that correct?

8 A. I can't answer that yes or no.

9 Q. And on your fourth page of your report the  
10 third paragraph down you could explain to me, you  
11 indicate that there was decreased perception of  
12 pinprick in the left lower extremity in a  
13 nonanatomic pattern. What do you mean by that?

14 A. May I answer it other than yes or no?

15 Q. Yes.

16 A. Thank you.

17 Each nerve root that leaves the area of the  
18 spine, and here we're talking about the lower part  
19 of the spine, the lumbosacral plexus, supplies a  
20 particular area of the body, and that's referred to  
21 as a dermatome or a myotome.

22 When an individual has a true problem or a  
23 true pathology with a particular nerve root as, for  
24 example, compression from a herniated disk or a  
25 tumor, and because of that compression there is lack

1 of conductivity down that nerve root, an examiner  
2 will pick up loss or decrease in perception of  
3 pinprick in a specific area.

4 Contrast that with Mr. McPherson's  
5 examination where he had a generalized decrease in  
6 perception of pinprick in his left lower extremity  
7 which was in a nonanatomic pattern. It didn't  
8 follow any nerve root distribution.

9 Q. Okay. There are more definitive neurological  
10 tests performed, I assume, such as the NCT and an  
11 EMG; would that be a correct statement?

12 THE WITNESS: Would you read  
13 back the question, please?

14 (Record read.)

15 A. There are additional neurologic tests other  
16 than those performed during a physical examination.  
17 I don't know whether they're necessarily more  
18 definitive or not.

19 Q. Would an NCT be more objective than your  
20 pinprick examination in your test of strength and so  
21 forth?

22 A. An NCT, a nerve conduction test, is more  
23 objective in that it measures certain parameters.  
24 But it is not the same test as my pinprick test  
25 which is the same as all doctors use, or my muscle

1 strength test.

2 Q. If a person complained of cervical pain in  
3 the cervical region and he was negative on an NCT  
4 and negative on EMG, is it still possible that he  
5 could have a disk problem of some kind?

6 A. I can't answer your question.

7 Q. What are the ways to make a diagnosis of a  
8 disk problem?

9 A. Where?

10 Q. In the neck.

11 A. Okay. Taking the patient's history,  
12 performing the physical examination. And then we've  
13 got to define what is a disk problem, I mean, I  
14 don't know what that means.

15 Q. How about if you have a patient that comes in  
16 and says he has a pain in the neck, how would you *go*  
17 about making that diagnosis as to what might cause  
18 that problem?

19 A. Okay. Somebody came in to see me and told me  
20 that they had pain in the neck, I would take their  
21 history, I would examine them and I would get some  
22 routine radiographs.

23 Q. What's a radiograph, an x-ray? Just a  
24 regular x-ray? Yes or no.

25 A. I can't answer that yes or no. Oh, yes, I

1 can answer that yes or no. The answer is no.

2 Q. What would be a radiograph?

3 A. Okay. A radiograph is the film that's  
4 produced when you have a machine generate some  
5 x-rays. It's like the stuff that you get back from  
6 Fotomat when you take your film in for developing,  
7 okay? Those are called radiographs.

8 And I'd obtained some routine radiographs.

9 Q. What would be a routine radiograph?

10 A. The radiographs that I order routinely. I  
11 mean, I don't understand your questions.

12 Q. Are you talking about a regular -- are you  
13 talking about an x-ray that shows density of the  
14 bone?

15 A. I just explained to you that an x-ray is the  
16 thing that the x-ray machine generates, okay? A  
17 radiograph, hell, we'll pull out 8,000 of them,  
18 that's the picture you look at.

19 Q. That's the actual film?

20 A. That's right, and those are called  
21 radiographs.

22 Q. And that could be an MRI?

23 A. No, that's a radiograph.

24 Q. All right.

25 A. It's a regular, routine radiograph, okay?

1 Q. All right.

2 A. And you look at those. And there are  
3 standard ones of the cervical spine.

4 Now, if the patient says that they've got a  
5 pain in the neck and they've got a normal physical  
6 exam and their routine radiographs are normal, then  
7 I would have no explanation for what their pain in  
8 the neck would be from. And then you take all the  
9 various permutations from there on.

10 Q. Which would be what?

11 A. Well, let's assume they have a complaint of  
12 pain in the neck and they have nothing on physical  
13 examination but they have some degenerative disk  
14 disease on the routine radiograph, some narrowing of  
15 one of the disk spaces. Then I could say well,  
16 their pain in the neck is probably coming from their  
17 degenerative disk disease. That's a disk problem.

18 Q. What other methods do you use to make that  
19 diagnosis of a disk problem?

20 A. You use the methods that you need --

21 Q. Doctor, I understand this is very elementary  
22 to you, okay, but I'm going to pay you for this --

23 A. I don't care whether you pay me or not, and  
24 I'm not trying to give you a hard time. But you're  
25 asking me questions that I can't possibly answer.

1 Because a disk problem is so nonspecific, there's  
2 everything from degenerative disk disease through  
3 herniated disk.

4 Now if you ask me a specific problem I'm  
5 happy to give you a specific answer.

6 Q. All right. How would you make a diagnosis of  
7 a herniated disk in the cervical spine?

8 A. Okay. I would make the diagnosis of a  
9 herniated disk in the cervical spine by obtaining a  
10 history and specifically looking in the history for  
11 complaints of pain radiating down one arm or the  
12 other arm in a dermatomal pattern.

13 I would perform a physical examination. And  
14 during the physical examination I would look for  
15 findings which would indicate that there was a  
16 problem with one of the nerve roots specifically  
17 supplying one of the dermatomes.

18 Then I'd get some routine cervical  
19 radiographs. And those, in fact, might look normal.  
20 They might, on the other hand, show some evidence of  
21 degenerative disk disease. But the routine films  
22 wouldn't demonstrate whether or not the patient had  
23 a herniated disk.

24 Q. What would demonstrate that?

25 a. Well, wait, I haven't finished. Finally gave



1 me a question I could answer and then you cut me  
2 short. That's not fair.

3 And then I'd treat the patient  
4 nonoperatively, okay? And after a period of time if  
5 they didn't get worse then I'd start exploring  
6 whether, in fact, they had a herniated disk.

7 And in 1992 I would order an MRI of the  
8 cervical spine.

9 Q. Has Mr. McPherson had an MRI of the cervical  
10 spine?

11 A. Not that I'm aware of.

12 a. Have you recommended that an MRI be  
13 performed?

14 A. No.

15 Q. Do you think that would lead us to any, I  
16 mean, do you think that would assist in making a  
17 proper diagnosis of the condition that he's  
18 experiencing with his cervical spine?

19 A. No.

20 Q. Why do you say that?

21 A. Because I don't believe that his complaints  
22 are on the basis of a herniated disk.

23 Q. What do you base that on?

24 A. The history that I obtained from him, the  
25 examination that I performed, the review of the

1 radiographs taken at the time of the accident and  
2 the review of the radiographs that were taken some  
3 three years later as well as the material that I  
4 reviewed.

5 Q. What's the difference between a herniated  
6 disk and a bulging disk?

7 A. A herniated disk in 1992 has almost become a  
8 wastebasket term. That is to say it is a term that  
9 is used with very little specificity.

10 There really is a spectrum of disk  
11 abnormalities that range from a bulge, which is akin  
12 to, best example -- which is akin to a tire that's  
13 got a little bubble on it.

14 The next more significant problem would be a  
15 protrusion where part of the nuclear material has  
16 left its confines within the center of the disk and  
17 is causing a little more protrusion of the posterior  
18 longitudinal ligament in the annulus.

19 The next more severe situation is an  
20 extrusion, whether it's an actual tear in the  
21 posterior longitudinal ligament and the annulus, but  
22 the nuclear material is still in contact with the  
23 nucleus.

24 And finally there's a sequestered piece of  
25 disk which is just like when you sequester a jury,

1 it's separated from the disk itself. And even with  
2 those findings the condition cannot be called a  
3 herniated disk unless the patient's symptoms and  
4 physical findings correlate with whatever's seen on  
5 the MRI.

6 Q. Can a bulging disk create a painful condition  
7 in the cervical spine?

8 A. Generally not.

9 Q. So generally a person would be symptom free  
10 if they had a bulging disk in the cervical spine?

11 A. Generally, yes.

12 Q. Because it's not coming in contact generally  
13 with the nerve ending or any nerves?

14 A. That's correct.

15 Q. What does it mean when a bulging disk, when  
16 you find bulging disk with tenting? Have you ever  
17 heard that terminology before?

18 A. I was going to say most bulging disks don't  
19 go out camping, but I don't know.

20 Q. Have you ever heard that phrase before?

21 A. No, I really haven't.

22 Q. Do you know Dr. Zelch?

23 A. Dr. Zelch is a radiologist. I know of him.

24 I'd be happy to read Dr. Zelch's report of  
25 the MRI that apparently has been obtained since I

1 examined Mr. McPherson.

2 MS. ROLLER: I was going to  
3 ask you, and this can be on the record, do  
4 you have an MRI or even a CT scan that I'm --

5 MR. LINNEN: I do. I assume  
6 you had it.

7 MS. ROLLER: I don't think --  
8 you examined him in May of this year,  
9 correct?

10 THE WITNESS: Right.

11 MS. ROLLER: This was July  
12 1992.

13 THE WITNESS: I examined him in  
14 May of '92.

15 MS. ROLLER: Well, I have to  
16 tell you, Mr. Lemon --

17 MR. LINNEN: Linnen.

18 MS. ROLLER: Lennon, as in  
19 John.

20 MR. LINNEN: No, not as in  
21 John. As in cloth.

22 MS. ROLLER: Linnen?

23 MR. LINNEN: L-i-n-n-e-n.

24 MS. ROLLER: I should talk  
25 with a name like Roller.

1                   In any event, Mr. Linnen, I'm here on  
2                   behalf of Paul Eklund.

3                   MR. LINNEN:                   I'm here on  
4                   behalf of Mark Willis.

5                   MS. ROLLER:                   And I can tell  
6                   you that I'm aware that Paul Eklund does not  
7                   have this, a report from the CT that -- oh,  
8                   an MR of the cervical spine that was done at  
9                   the Regional MRI Diagnostic Center on July  
10                  27th, 1992.

11                  MR. LINNEN:                   What's your  
12                  point?

13                  MS. ROLLER:                   My point is that  
14                  I would object to its use at the arbitration  
15                  hearing. When is the hearing?

16                  MR. LINNEN:                   I have no idea.

17                  MS. ROLLER:                   Neither do I.

18                  MR. LINNEN:                   I think it's  
19                  first week in September.

20                  MS. ROLLER:                   We have not --

21                  MR. LINNEN:                   It might not have  
22                  any significance, let's just give it to the  
23                  doctor and see.

24                  MS. ROLLER:                   Fine, let's give  
25                  it to the doctor.

1 THE WITNESS: Off the record.

2 (Discussion had off record.)

3 MS. ROLLER: Do you have a  
4 report from this doctor or anybody --

5 MR. LINNEN: No.

6 MS. ROLLER: -- who ordered  
7 the MR; do you know?

8 MR. LINNEN: I'm not sure. I  
9 think maybe Lefkovitz did. They had trouble  
10 getting him in the tube originally.

11 MS. ROLLER: Yes, I saw that.

12 A. Okay.

13 MR. LINNEN: Can we mark this  
14 as an exhibit, 2?

15 MS. ROLLER: You wouldn't  
16 happen to have another copy, would you, so I  
17 can follow along?

18 (Exhibit 2 was marked for  
19 identification purposes.)

20 Q. Doctor, you've been handed what's been marked  
21 as Plaintiff's exhibit 2 --

22 A. Yes.

23 Q. -- which is or appears to be an MRI of the  
24 cervical spine of Bob McPherson. Is that what you  
25 have in front of you?

1 A. Yes, sir.

2 Q. All right. Dr. Zelch's conclusion: Bulging  
3 disks with tenting of the posterior longitudinal  
4 ligament at three levels. What significance, if  
5 any, does that have?

6 A. Before I answer your question I'd like to  
7 preserve the record and read what Dr. Zelch said in  
8 its entirety.

9 And he said: Bulging disks (2.0 mm) with  
10 tenting of the posterior longitudinal ligament at  
11 three levels.

12 The reason I do that is that a two millimeter  
13 bulge has no clinical significance, it does not  
14 cause any clinical symptoms. And there are a number  
15 of articles that refer to that point.

16 What he is saying, and I don't know why he's  
17 using the word tenting, but the posterior  
18 longitudinal ligament is a structure that is at the  
19 most peripheral portion of the disk. And what he's  
20 saying is that there's a little bulge that's causing  
21 the posterior longitudinal ligament to stick out,  
22 look more like a tent.

23 Q. Okay. I take it that you've never seen that  
24 type of conclusion before?

25 A. Not with that word, that's correct.

1 Q. Neither have I.

2 Well, would it be accurate to conclude that  
3 Dr. Zelch believes that a disk is coming in contact  
4 with the posterior longitudinal ligament? I mean,  
5 what conclusion would you draw?

6 A. It is fair to say that Dr. Zelch is making  
7 the conclusion that the disk is coming in contact  
8 with the posterior longitudinal ligament. In fact,  
9 all disks come in contact with the posterior  
10 longitudinal ligament.

11 Q. All right. But we're not quite sure what he  
12 means by tenting?

13 A. No. Maybe we ought to ask him.

14 Q. Looking at the rest of the MRI of July 27th.  
15 Do you see any other abnormalities in the report or  
16 anything that you would conclude to be an  
17 abnormality?

18 A. I want to be perfectly clear that I've not  
19 had the opportunity to review this myself so I'm  
20 just reviewing his description of this.

21 But in the second paragraph he **says** on T-2  
22 analysis there is ridge-like indentation of the  
23 subarachnoid fluid column at C-3, C-4 and C-5, and  
24 that's probably abnormal.

25 Q. If that's probably abnormal, has it been your



1 experience that that type of condition would create  
2 any type of painful condition for a patient?

3 A. Not necessarily. That's an indicator of some  
4 arthritis. And the ridge that he's talking about is  
5 just a little bit of bony proliferation.

6 You notice that he says indentation of the  
7 subarachnoid fluid column and doesn't even say  
8 indentation of the cord. So this ridge is so small  
9 that all it's doing is causing a little, if you  
10 will, scalloping of the fluid column.

11 Q. All right. Would this MRI finding be  
12 consistent with any of the symptoms or complaints  
13 that Mr. McPherson had when he presented himself to  
14 you for examination?

15 A. No.

16 Q. Doctor, what does -- if you could explain to  
17 me what myofascial pain syndrome is. I'm not trying  
18 to be facetious, I'm not sure what it is.

19 A. Right. And I'm not sure what it is either,  
20 and that's why I was hesitating, okay? It's another  
21 one of those wastebasket type of diagnoses.

22 I mean, you can dissect it all out. Myo  
23 refers to muscle. Fascia refers to the covering of  
24 the muscle. So myofascial pain syndrome.

25 So what in essence it says is that somebody

1 has a set of symptoms for which there are really no  
2 physical findings and we've got to give it a name so  
3 we call it a myofascial pain syndrome.

4 Q. Is it referring to some sort of a membrane or  
5 covering of the muscle itself?

6 A. Well, you're absolutely right in that the  
7 fascia is the membrane or the covering over the  
8 muscle. But when you talk about myofascial you're  
9 talking about the muscle and the fascia. I mean, in  
10 the old days it used to be called myofascitis, but  
11 now we've gotten more sophisticated and called it  
12 myofascial pain syndrome.

13 MS. ROLLER: I must be getting  
14 old.

15 THE WITNESS: That's right.

16 Q. Let me ask you: When somebody experiences a  
17 routine, what is referred to as like a soft tissue  
18 injury of the neck, a sprain or a strain of the  
19 neck, in a situation like that many times it seems  
20 like the patient complains of pain and yet nothing  
21 shows up on radiograph or any other diagnostic test,  
22 but yet they're still experiencing pain.

23 A. That's true.

24 Q. In a situation like that if you had a patient  
25 in a situation like that, what type of diagnosis

1 would you make, just a sprain, strain of the soft  
2 tissues and they're expected to recover?

3 A. For the most part, yes.

4 Q. Have you seen situations or have you seen  
5 cases, have you treated patients where they had a  
6 so-called soft tissue injury and continue to have  
7 chronic pain without objective symptoms that you  
8 believed to be real?

9 I mean, many times it seems like people  
10 question a patient where nothing shows up  
11 objectively but they continue to complain of chronic  
12 pain.

13 Are there situations in your opinion that  
14 this could actually occur without any objective disk  
15 problem or neurological problem where the patient  
16 actually does experience pain as a result of some  
17 disorder?

18 A. I'm not trying to be facetious either, but  
19 you've asked me three questions, okay? Now which  
20 one do you want me to answer, the last one?

21 Q. Why don't you answer the last one.

22 A. Okay. I can't remember what it was.

23 The bottom line is have I treated patients  
24 who have complaints of pain and have no objective  
25 findings --

1 Q. Right.

2 A. -- following some kind of soft tissue injury.

3 Q. That's it.

4 A. And the answer is yes, I will treat them for  
5 a short period of time and that short period of time  
6 maybe four weeks, maybe six weeks.

7 And if they continue to have complaints of  
8 pain and there's nothing that I can find after doing  
9 routine studies, maybe even some more sophisticated  
10 studies, then I would say to them there's nothing  
11 more I can do for you and suggest if necessary they  
12 see a psychiatrist to help them manage whatever kind  
13 of nonorganic problems they're having.

14 Q. What do you mean by nonorganic problems?

15 A. Well, nonorganic means that the cause of  
16 their pain is really not in their body structures.  
17 I mean, this may have been a very -- it may have had  
18 a large psychological impact on somebody for  
19 whatever reason, okay? And so what they're doing is  
20 they are, what's called somatocizing, they're taking  
21 emotional feelings that they have, anger because  
22 they were in this accident, anger because they've  
23 lost their job, you know, whatever, and converting  
24 that into physical symptoms for which there are no  
25 physical findings. So they need to be able to first

1 recognize that and then deal with these things in  
2 the appropriate forum.

3 Q. Well is it possible that the soft tissues  
4 have been damaged such as ligaments or possibly  
5 muscles that injury itself would not show up on an  
6 objective test of any kind?

7 A. It is possible that ligaments and muscles can  
8 be damaged and that damage not show up on any  
9 objective test.

10 Q. I had a physician down in Akron, I won't give  
11 you his name, but he indicated that every time you  
12 had some type of a strain on the cervical spine or  
13 any part of the back for that matter that your body  
14 never fully recovered, that you would be, you can be  
15 more susceptible to injury but that it was never  
16 going to be completely the same before the accident  
17 even though you might not have symptoms. Would that  
18 be, I mean, is that an accurate statement? Is that  
19 an accurate philosophy?

20 A. I think it's true that once you're injured  
21 you never are again normal because you've always,  
22 you have some even microscopic remnant of that  
23 injury. But that doesn't necessarily mean that  
24 you're more susceptible to injury. And it certainly  
25 doesn't mean that you can go back -- that you cannot

1 go back and do what you were doing before the  
2 injury.

3 I mean, I tore my quadriceps so look at my  
4 thigh, it's not normal; and yet I can do all the  
5 things that I did beforehand.

6 Q. Well these types of soft tissue injuries that  
7 nothing objective can be found to make a diagnosis  
8 and sometimes they're labeled, you know, myofascial  
9 pain syndrome; do you believe that that type of  
10 syndrome actually exists? Have you ever made that  
11 type of diagnosis, I guess?

12 A. No, I've never made that type of diagnosis.

13 Q. I guess what I'm trying to get at is: Can a  
14 person experience a real pain without there being  
15 some objective neurological or orthopedic finding?

16 A. We're going to limit your question to  
17 injuries to the musculoskeletal system, right?

18 Q. Right.

19 A. People can voice pain or make complaints of  
20 pain when there are no objective findings either on  
21 neurologic examination or orthopedic examination.

22 Q. I understand that. But in situations like  
23 that even though I think you said people can voice  
24 pain --

25 A. Make complaints of pain.

1 Q. -- are you saying make it up?

2 A. No. No. It hurts. Walk in and say I hurt,  
3 that's voicing or making a complaint of pain.

4 Q. But I guess what I'm trying to find out is  
5 whether there could be a real physical problem  
6 without some objective determination either  
7 neurologically or otherwise.

8 A. Well, again, what I tried to say earlier is  
9 that I believe that initially there may be a real  
10 physical problem without any objective findings, but  
11 sprains and strains heal, they get better.

12 Q. They always heal?

13 A. The kinds of injuries that we're talking  
14 about that are limited to the soft tissues, okay,  
15 always heal.

16 Q. Without any residual problems or pain?

17 A. I believe so.

18 MR. LINNEN: I have no further  
19 questions.

20

21

22

23

- - - -

24

25

1 State of Ohio, )  
 2 County of Cuyahoga. ) SS: CERTIFICATE

3 I, Michelle A. Bishilany, a Registered  
 4 Professional Reporter and Notary Public within and  
 5 for the State of Ohio, do hereby certify that the  
 6 within named witness, DENNIS B. BROOKS, M.D., was by  
 7 me first duly sworn to testify the truth, the whole  
 8 truth, and nothing but the truth in the cause  
 9 aforesaid; that the testimony then given was reduced  
 10 by me to stenotypy in the presence of said witness,  
 11 subsequently transcribed into typewriting under my  
 12 direction, and that the foregoing is a true and  
 13 correct transcript of the testimony so given as  
 14 aforesaid.

15 I do further certify that this deposition was  
 16 taken at the time and place as specified in the  
 17 foregoing caption, and that I am not a relative,  
 18 counsel or attorney of either party or otherwise  
 19 interested in the outcome of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand  
 21 and affixed my seal of office at Cleveland, Ohio,  
 22 this 20<sup>th</sup> day of August 1992.

23  
 24 Michelle A. Bishilany  
 25 Michelle A. Bishilany, Holland & Associates, Inc.  
 520 National City - E. 6th Bldg., Cleveland, Ohio  
 My commission expires 1-11-96.



## UNINSURED MOTORIST CLAIM

IN RE:

DOC. 248

Robert McPherson,

Ⓟ  
(video)

vs.

Westfield Insurance Company.

- - - -

Video deposition of DENNIS B. BROOKS, M.D., a witness herein, called for direct examination by Westfield Insurance Company, taken before Michelle A. Bishilany, a Regfatered Professional Reporter and Notary Public within and for the State of Ohio, at the offices of Dennis B. Brooks, M.D., 26900 Cedar Road, Beachwood, Ohio, on Thursday, the 13th day of August, 1992, at 5:12 p.m.

- - - -

HOLLAND & ASSOCIATES  
(216) 621-7786

## 1 APPEARANCES:

2  
3 Willis & Linnen, by  
4 Mr. Jerome T. Linnen, Jr.,

5 On behalf of Robert McPherson;

6  
7 Davis & Young, by  
8 Ms. Jan L. Roller,

9 On behalf of Westfield Insurance  
10 Company.

11 - - - -

12  
13  
14 EXAMINATION OF DENNIS B. BROOKS, M.D.

15  
16 By Ms. Roller. . . . 3, 56

17 By Mr. Linnen. . . .44, 58

18  
19 - - - -

1 DENNIS B. BROOKS, M.D.,  
2 of lawful age, a witness herein, called for direct  
3 examination by Westfield Insurance Company, being by  
4 me first duly sworn, as hereinafter certified,  
5 deposed and said as follows:

6 DIRECT-EXAMINATION

7 BY MS. ROLLER:

8 Q. Doctor, my name is Jan Roller and I'm here on  
9 behalf of Paul Eklund from the law firm of Davis &  
10 Young. And we are here to take your deposition for  
11 purposes of an arbitration hearing in the case of  
12 Robert McPherson and his claim against the Westfield  
13 Insurance Company.

14 Doctor, first of all, will you give us your  
15 name?

16 A. Dennis Bruce Brooks.

17 Q. And we are here in your office; is that  
18 correct?

19 A. Yes.

20 Q. And your office is located where?

21 A. 26900 Cedar Road in Beachwood, Ohio.

22 Q. Doctor, because this is an arbitration I will  
23 try to move through your credentials in a rather  
24 summary fashion.

25 You are a board certified orthopedic surgeon;

1 is that correct?

2 A. Yes.

3 Q. When did you receive your board  
4 certification?

5 A. 1971.

6 Q. Would you please tell the panel where you  
7 went to college and to medical school and when you  
8 graduated?

9 A. Graduated from Harvard University in 1959;  
10 and I graduated from Western Reserve University  
11 School of Medicine in 1963.

12 Q. When did you begin your practice as an  
13 orthopedic surgeon?

14 A. I guess it depends how you define practice.

15 I had six years of postgraduate training and  
16 then I served in the military for two years at which  
17 time I served as an orthopedic surgeon.

18 So between 1969 and 1971 I was practicing  
19 orthopedic surgery. And then in 1971 I returned to  
20 Cleveland and have been in the continuous practice  
21 of orthopedic surgery since that time.

22 Q. Would you describe for the panel the nature  
23 of your practice?

24 A. I practice general orthopedic surgery.

25 Q. All right. And as an orthopedic surgeon you

1 have hospital privileges?

2 A. Yes.

3 Q. And where is that, what hospitals?

4 A. Mt. Sinai Medical Center of Cleveland.

5 Q. All right. And do you do teaching?

6 A. Yes.

7 Q. And where is that?

8 A. I'm an assistant clinical professor of  
9 orthopedic surgery at Case Western Reserve  
10 University School of Medicine. And I'm also on the  
11 orthopedic residency teaching faculty at the Mt.  
12 Sinai Medical Center.

13 Q. You have also authored articles?

14 A. Yes.

15 Q. And where have they appeared?

16 A. Majority of them have been in the Journal of  
17 Bone and Joint Surgery, one is in Clinical  
18 Orthopedics and Related Research and another is in  
19 the Journal of Orthopedic Trauma.

20 Q. All right. Doctor, did Paul Eklund of my  
21 office ask you to perform an independent medical  
22 examination of Mr. McPherson?

23 A. Yes.

24 Q. And did you do that?

25 A. I did.

1 Q. And on what date, sir?

2 A. I believe that was in May of this year, 1992.  
3 Specifically May 28th of 1992.

4 Q. And when you conducted that examination was  
5 there anyone else present in the room?

6 A. There was.

7 a. And who was that?

8 A. I believe it was Mr. Willis.

9 Q. Did you understand him to be Mr. McPherson's  
10 attorney?

11 A. Yes.

12 Q. Doctor, did you take a history from Robert  
13 McPherson?

14 A. I did.

15 Q. Why don't you relate to the panel what that  
16 history was?

17 A. Certainly.

18 Mr. McPherson indicated to me that he was  
19 injured on approximately March 23rd, 1989 when he  
20 was lying on a bed in a van which was moving when  
21 the van was involved in an accident with a car.

22 The front end and both sides of the van were  
23 damaged. Mr. McPherson indicated they totaled it.

24 Although he did not remember his movements  
25 following the impact he did recall that when he

1     awoke the left side of his body was shaking. He was  
2     unable to find his dentures.

3             He told me that he had pain in his head and  
4     left shoulder for he had struck the back of the  
5     bench seat in the van.

6             He told me the accident occurred in  
7     Charleston, West Virginia. And he went to  
8     Charleston Women's and Children's Hospital soon  
9     after the accident.

10            There he was examined, treated and released  
11    with a cervical collar and a sling. He was given  
12    prescriptions.

13            He recalled that by the time he had pain in  
14    his neck, superior aspect of his left shoulder, in  
15    his jaw, and what he referred to as knee; he could  
16    not recall which knee was painful.

17            He told me that he and his family then  
18    proceeded to Crystal River, Florida.

19            Approximately four days after the accident  
20    the family returned back to Akron, Ohio. He told me  
21    his son did the majority of the driving on the way  
22    home.

23            Told me that within a week of the accident he  
24    came under the care of Chiropractor Shimmel.

25            Chiropractor Shimmel performed what Mr.

1 McPherson referred to as spinal manipulation and  
2 treated Mr. McPherson with hot packs, cold packs and  
3 so forth. Mr. McPherson indicated that the majority  
4 of the treatment was to what he referred to as the  
5 cervical area.

6 He received treatment approximately six to  
7 seven months. Initially he received treatment every  
8 other day and eventually received treatment every  
9 two weeks. He did recall that the treatments, as he  
10 put it, did relieve the pain temporarily.

11 Approximately six months after the accident  
12 he was examined by Dr. Sveda. A bone scan was  
13 performed and this revealed, as Mr. McPherson told  
14 me, there were no bone chips.

15 Dr. Sveda then referred Mr. McPherson to  
16 physical therapy. Mr. McPherson received treatments  
17 for approximately three to six months approximately  
18 two times a week.

19 Mr. McPherson recalled that the therapist, as  
20 he put it, did craniosacral readjustment. He also  
21 received rubs with what he referred to as a machine  
22 on my back. He was re-examined by Dr. Sveda on two  
23 or three occasions.

24 Mr. McPherson told me that approximately a  
25 year after the accident he came under the care of



1 Dr. Lefkovitz at Akron General. Mr. McPherson  
2 referred what he referred to as pain management and  
3 this included the use of a TENS unit and drugs. Mr.  
4 McPherson was re-examined by Dr. Lefkovitz  
5 approximately every month.

6 During 1991 as well as 1992 he was  
7 re-examined by Mr., Dr. Lefkovitz rather,  
8 approximately once a month. He was last examined on  
9 April. 20th, 1990.

10 At that point in the history Mr. Willis  
11 indicated that Mr. McPherson had come under the care  
12 of Dr. Lefkovitz in August of 1989 and the care of  
13 Dr. Sveda in May of 1989.

14 Mr. McPherson told me that during 1990 he  
15 also received chiropractic treatment from  
16 Chir practor Fakhoury in Crystal River, Florida.

17 Mr. McPherson indicated that he had been  
18 examined by five physicians for the Railroad  
19 Retirement Board.

20 He indicated that at the time of the accident  
21 he had been working as an engineer on the railroad.  
22 He had not returned to work.

23 He also indicated that an MRI had been  
24 ordered, but that he couldn't fit into the tube.

25 Cinefluoroscopy was done. It showed

1 stretched or torn ligaments by the movement of my  
2 spine, he told me. The cinefluoroscopy had been  
3 performed by Chiropractor Fakhoury.

4 Mr. McPherson also had undergone two nerve  
5 conductance tests. These studies reveal some  
6 problems with C-3, C-4, C-5 and the brain stem he  
7 told me.

8 After these tests had been performed his  
9 physicians gave him some suggestions for relief of  
10 pain.

11 I then inquired into Mr. McPherson's  
12 condition at the time of my examination of May of  
13 1982 and he indicated, and I quote, "if I touch any  
14 part of my cervical spine it's still sore to touch  
15 and it's swollen."

16 He experienced headaches which began in the  
17 posterior aspect of his cervical spine and radiated  
18 into his head. His headaches were, as he put it,  
19 directly related to my activity.

20 His left jaw was more symptomatic than his  
21 right jaw. He would have jaw symptoms, he told me,  
22 if I lift something heavier than I should lift. He  
23 also had jaw symptoms, as he put it, with motion of  
24 my left arm. I can't open my mouth all the way, he  
25 told me.

1           When he performed activities above shoulder  
2 level he would develop arm pain. He indicated that  
3 the pain in the lateral aspect of his right arm was,  
4 as he put it, not near as severe as the pain in his  
5 left arm. On occasion it radiated into his little  
6 finger.

7           He had pain at the lateral aspect of the left  
8 acromion which would radiate down the posterior  
9 aspect as far as the elbow.

10 Q.       Doctor, let me just interrupt and ask you to  
11 explain where the left acromion is, or the lateral  
12 aspect of the left acromion.

13 A.       The acromion is the outer most part of your  
14 shoulder girdle, Part of it attaches to your  
15 collarbone. So the lateral aspect of the left  
16 acromion would be where I'm pointing with my finger;  
17 sort of the point of your shoulder, if you will.

18 Q.       Thank you.

19           What else did he say?

20 A.       So he told me that he had pain there that  
21 radiated down to the posterior aspect as far as his  
22 elbow and he told me from there it goes straight  
23 down.

24           He had numbness in the hypothenar, or little  
25 finger area of his hand.

1           Told me that he was symptomatic with respect  
2 to his midback which was, as he put it, tired. He  
3 experienced what he referred to as a burning in his  
4 midback.

5           During the year preceding my examination,  
6 that is during 1991, he developed low back pain.  
7 Told me that he had what he referred to as mobility  
8 problems.

9           I asked him if he had sustained a specific  
10 injury to his low back in the accident which had  
11 occurred in 1989 and he indicated, and I quote,  
12 "everything that happens I blame on that," that is  
13 the accident.

14           His low back symptoms were increased if he  
15 sat or stood, as he put it, too long. He had pain  
16 which radiated into his legs, more so on the left  
17 than the right. The radiation was down the  
18 posterior aspect of the thigh to the knee.

19           Coughing, sneezing and bowel movements  
20 produced no leg radiation.

21 Q.       When he says the posterior aspect of the  
22 thigh, is that the -- which side is that?

23 A.       That's the back side.

24 Q.       Okay.

25 A.       He told me that he was taking Midrin,

1 Stelazine and Klonopin. He had not taken any of  
2 these medications on the the day of the accident and  
3 he was not taking any other medications.

4 Q. Was that on the day of the accident or on the  
5 day of the examination?

6 A. The day of the examination. Thank you.

7 So those were the medications he was taking.

8 I then inquired into his past medical history  
9 and he told me that he had sustained an injury to  
10 his upper back in approximately 1987. This had  
11 occurred at work.

12 He was treated by Chiropractor Shimmel for  
13 approximately one month. He told me that he had no  
14 symptoms referable to his upper back after that  
15 treatment by Chiropractor Shimmel.

16 He had had no neck symptoms and he had had no  
17 low back symptoms before the accident of March 24th,  
18 1989.

19 When I asked him if he had sustained any  
20 injuries or he had been involved in any accidents  
21 after March 24th, 1989, he indicated, and I quote,  
22 "I'm going to have to say no."

23 I then left the examining room while Mr.  
24 McPherson removed his clothing and put on an  
25 examining gown.

1           When I returned he indicated that on the way  
2 to my examination in May of 1992 his van had been  
3 struck from behind by a car. He told me he was not  
4 injured.

5 Q.       Doctor, did Mr. McPherson tell you that he  
6 had been injured and had an injury to his lower neck  
7 and upper back when playing racquetball, that when  
8 playing racquetball he felt a sharp pain in his neck  
9 when he ran into a wall; did he tell you about that?

10 A.       No.

11 Q.       He didn't indicate to you that he had  
12 treatment with the Chiropractor Shimmel after  
13 injuring himself playing racquetball then?

14                       MR. LINNEN:                       Objection.

15 A.       No, he did not.

16 Q.       Doctor, did you then conduct a physical  
17 examination after taking the history from Mr.  
18 McPherson?

19 A.       I did.

20 Q.       And tell us what your examination consisted  
21 of.

22 A.       It was a routine orthopedic examination which  
23 focused on those parts of his body about which he  
24 was complaining: His cervical spine, his  
25 lumbosacral spine and his thoracic spine.

1 Q. Would you tell us what your findings were?

2 A. Certainly.

3 First I noted that he was a male of  
4 approximately his stated age who was considerably  
5 overweight. He told me that he was six foot tall  
6 and that he weighed approximately 280 pounds.

7 I noted that he rose from a sitting position  
8 without difficulty, that he walked without limping  
9 and that he was able to climb on to and off of the  
10 examining table in a normal fashion.

11 I examined his cervical spine, or his neck,  
12 and noted that he had normal cervical lordosis  
13 without evidence of paracervical or trapezius spasm.  
14 There was normal cervical flexion and extension with  
15 complaints of pain at the extreme of extension.

16 There was approximately 75 percent of normal  
17 lateral rotation bilaterally and approximately 80  
18 percent of normal lateral bending bilaterally. He  
19 complained of pain from the mid range of these  
20 motions to the extremes. Lateral rotation and  
21 lateral bending were performed in a ratchet-like  
22 fashion.

23 Q. Doctor, I have a few questions regarding your  
24 examination and the findings you made of Mr.  
25 McPherson's cervical spinal.

1 First of all, can you tell the panel what you  
2 did or what you asked Mr. McPherson to do in order  
3 that you could examine his cervical spine?

4 A. Yes.

5 Initially I said to him I'm going to be  
6 touching various areas of your cervical spine and if  
7 my touching causes you any pain I apologize, but you  
8 need to tell me, because I can't interrupt facial  
9 grimaces and things of that nature.

10 After I completed palpation I then asked him  
11 to bend his head forward, which was flexion.

12 Asked him to bend his head backwards, I  
13 didn't do it myself, which bending it backwards is  
14 extension.

15 Asked him to look at one side and then the  
16 other side, which is lateral rotation.

17 And then asked him to tip his ear toward his  
18 shoulder, which is lateral bending.

19 Q. First of all, you state in your report that  
20 with respect to his cervical spine he had normal  
21 cervical lordosis without evidence of paracervical  
22 or trapezius spasm. Were you able to determine  
23 that, first of all, when you palpated his neck, when  
24 you felt his neck?

25 A. I was able to determine it first of all when



1 I looked at his neck.

2 Q. Right.

3 A. And then secondly when I palpated his neck,  
4 yes.

5 Q. All right. And you did not feel any spasms  
6 in his cervical spine?

7 A. If I had I would have told you.

8 Q. Okay. Now with respect to his movements as  
9 you've just described --

10 A. Right.

11 Q. -- flexion, extension and lateral movement,  
12 he did indicate that he couldn't move his neck to  
13 the extremes?

14 A. That's correct.

15 Q. All right. And what did he say when he  
16 attempted to do that?

17 A. I don't remember his specific words, but he  
18 went as far as I recorded and he said something that  
19 I interpreted as a complaint of pain. Whether he  
20 said it hurts or he said ouch or, I don't know, but,  
21 I mean, he made complaints of pain.

22 Q. All right. And with respect to his lateral  
23 rotation and bending, you have indicated that he  
24 performed it in a ratchet-like fashion. Can you  
25 describe what you mean by that?

1 A. Yes.

2 I suspect most people have tried to tighten  
3 down, vise grips or ratchet wrench, and as you  
4 tighten something down it has very definite stops as  
5 you tighten it down. Well, that's the way he moved  
6 his neck, in a ratchet-like fashion. There's no  
7 anatomic basis for that.

8 People who have injuries to their neck and  
9 have subsequent limitation of motion, people who  
10 have arthritis in their neck and subsequent  
11 limitation of motion go as far as they can in a very  
12 smooth fashion and then they stop. They don't  
13 ratchet down one way or another.

14 Q. For the findings that you made of his  
15 cervical spine where he indicated he had pain on the  
16 flexion and extension and on the rotation and  
17 bending, were those objective findings or subjective  
18 findings?

19 A. These were subjective findings.

20 Q. And why don't you just describe for the panel  
21 how you interrupt, what you would -- or how you  
22 define subjective findings?

23 A. Subjective findings are those which require  
24 input from the subject or the patient.

25 For example, if Mr. McPherson has pain when

1 he does something, I couldn't tell that by looking  
2 at him. He would have to tell me that he had pain.  
3 So that's a subjective finding that requires his  
4 input.

5 Q. As opposed to an objective finding?

6 A. An objective finding is one that does not  
7 require input from the subject or is one that I can  
8 see, measure without any help on his part.

9 I looked at Mr. McPherson; he was overweight.  
10 He didn't have to tell me that, I could see that.  
11 That was an objective finding.

12 Q. All right. Now moving to the examination you  
13 conducted of Mr. McPherson's shoulders.

14 A. Yes.

15 Q. What were your findings on your examination?

16 A. I noted that there was no evidence of  
17 atrophy, deformity or localized tenderness.

18 There was a full range of shoulder motion  
19 bilaterally. He complained of pain with external  
20 rotation of the shoulder and impingement signs were  
21 negative.

22 Q. Doctor, when you -- what movement did Mr.  
23 McPherson do when he complained of the external  
24 rotation? Did you ask him to move the arm himself?  
25 Or can you just describe that?

1 A. Yes. External rotation is the movement away  
2 from or from your body in a fashion like this.

3 He said on the right side that when he moved  
4 it away it hurt, he had pain.

5 Q. Of your examination of his shoulder then, was  
6 the only abnormal finding, that external rotation  
7 when he's complained he had some pain in doing that?

8 A. That's correct.

9 Q. And that finding, was that objective or  
10 subjective?

11 A. That was a subjective finding.

12 Q. All right. Then with respect to the thoracic  
13 spine or the midback, would you tell us what your  
14 findings were?

15 A. I noted that he had an increase in the  
16 thoracic kyphosis or increase in the normal  
17 curvature of the thoracic spine. There was no  
18 evidence of spasm. There was no evidence of  
19 tenderness. And essentially those are the only  
20 things that you could do when you examine the  
21 thoracic spine.

22 Q. Okay. What if any significance is it that  
23 you found that he had an increase in the thoracic  
24 kyphosis?

25 A. In and of itself it's of no significance.

1 Q. Okay. Did you examine his upper extremities?

2 A. I did.

3 Q. And what did you find?

4 A. I found, first of all, he had symmetrically  
5 depressed deep tendon reflexes. I noted that his  
6 muscle strength was normal.

7 I found that there was decreased perception  
8 of pinprick in the left upper extremity in a  
9 nonanatomic pattern.

10 When I noted that I proceeded further and  
11 found that there was decreased perception of  
12 pinprick which extended from the midline of the  
13 chest into the left upper extremity.

14 When I tested his perception of pinprick over  
15 the left and right sides of his forehead, he said in  
16 those areas the sensation on one side was much like  
17 that on the other side or was close, whereas on the  
18 chest he said there was a definite difference.

19 Q. Let's take your findings with respect to the  
20 upper extremities one at a time.

21 First of all, you said they revealed  
22 symmetrically depressed deep tendon reflexes?

23 A. Yes.

24 Q. What is that? What do you mean when you  
25 state that?

1 A. The deep tendon reflexes are those things  
2 that you test with a little red rubber hammer  
3 people's reflexes.

4 Symmetry is what's important. All biologic  
5 parameters, or all findings, if you will, have a  
6 range. No two people are alike. So that we look at  
7 a certain finding and say that's normal, but at one  
8 extreme, for example, with reflexes, they could be  
9 more active in one person than in another and at the  
10 other extreme they could be less active or depressed  
11 in one person than another.

12 But if they are symmetrically depressed, less  
13 active, on the right side and the left side, that's  
14 a finding that has no significance or clinical  
15 significance or doesn't imply that there's any  
16 pathology.

17 Q. That's what I wanted to ask you. Was the  
18 fact that they were symmetrically depressed indicate  
19 any physical abnormality?

20 A. No, it does not.

21 Q. If one side was depressed rather than the  
22 other, may that indicate some pathology?

23 A. Yes.

24 Q. Okay. And what would that indicate to you if  
25 that had been present?

1 A. All it would say is Dr. Brooks, you've got to  
2 look further to find out what's wrong. I mean, it's  
3 a red flag, but you don't -- rarely do you make a  
4 diagnosis on one isolated finding.

5 Q. But because the upper extremity in Mr.  
6 McPherson's upper extremities, when you tested his  
7 deep tendon reflexes they were symmetrical, you felt  
8 that that was, there was no problem?

9 A. Yes.

10 Q. Okay. Now you go on to say or to examine  
11 that his muscle strength was normal but that you  
12 noticed a decreased perception of pinprick on the  
13 left in a nonanatomic pattern. Could you define  
14 that or explain that?

15 A. Certainly.

16 The nerves that supply the upper extremities,  
17 the arms, originate in the cervical spine, in the  
18 spinal cord. And at each level in the cervical  
19 spine a nerve root leaves the cord and passes out of  
20 the cord. They then join together and ultimately  
21 supply what we refer to as a dermatome or a specific  
22 area of the skin.

23 Now, when I say that it's in a nonanatomic  
24 pattern I'm saying that he has decrease in his  
25 ability to perceive a pin that does not follow a

1 dermatome map.

2 In contrast to someone who has a definite  
3 specific problem with one nerve root or even two  
4 nerve roots, you can map that out and the individual  
5 have decreased perception in a specific area.

6 But when it's, it's called glove like, when  
7 it's circumferential or it's spotty and makes no  
8 sense it's nonanatomic.

9 Q. Can you just describe that for the panel when  
10 you're doing the pinpricking in the left upper  
11 extremity, are you picking in a dermatomal pattern  
12 to see if the dermatome, any particular dermatome is  
13 involved and then do you pick around the arm to see  
14 whether or not the sensation is in any anatomic  
15 pattern?

16 MR. LINNEN: Objection.

17 Q. Can you just explain your pattern of picking,  
18 I guess?

19 a. I have a little thing, a little instrument  
20 that's called a pinwheel, okay? And it's got  
21 slightly sharp little points and it rolls.

22 And so you roll it in one of the dermatomes,  
23 ask the patient how does it feel, is it sharp or  
24 dull, then you roll it in the same dermatome in the  
25 other arm and you say is it the same or different.



1 Well, you go over all the dermatomes one by  
2 one and compare one side with the other side.

3 And what I found was that Mr. McPherson's  
4 perception of pinprick, which is what this pinwheel  
5 causes, was decreased on the left side in a  
6 nondermatomal pattern.

7 Q. Okay. What, if any, significance is it that  
8 you found that he stated that the pinprick sensation  
9 when you were doing that on his forehead was close,  
10 but that there was a definite difference on his  
11 chest?

12 A. You put the whole thing together and this is  
13 an indication that the symptoms and physical  
14 findings which Mr. McPherson **is** exhibiting have no  
15 basis. They are the symptoms and physical findings  
16 which are oftentimes noted in hysteria.

17 You don't have a midline lesion, that is to  
18 say you don't have decreased sensation to pinprick  
19 on one side of your body **and** not the other **side** of  
20 your body unless you have a lesion in your cervical  
21 spinal cord. But if you have that, and that's a  
22 condition known as Brown-Sequard syndrome, you have  
23 increased tone on one side and more importantly you  
24 have loss of muscle strength on the opposite side.

25 That is to say, if Mr. McPherson really had

1 some kind of problem, lesion, that caused decreased  
2 perception of pinprick in his left arm, he should  
3 have had decreased weakness in his right arm. And  
4 his muscle strength in both upper extremities was  
5 normal.

6 Now it's conceivable that he had a lesion in  
7 his brain. But if he had a lesion in his brain it  
8 would also be causing problems with anesthesia in  
9 his face.

10 Q. Meaning when you conducted the pinprick  
11 testing in his forehead then he wouldn't have  
12 indicated it was close, instead it would have been a  
13 difference?

14 A. That's correct.

15 Q. Okay. Doctor, you then examined his lumbar  
16 spine or his low back; is that correct?

17 A. Correct.

18 Q. What findings did you make on that  
19 examination?

20 A. Essentially there again were no objective  
21 abnormal findings.

22 There was normal lumbar lordosis. There was  
23 no spasm. There were no areas of localized  
24 tenderness. Forward flexion could be accomplished  
25 such that his fingertips reached his ankles. He did

1 extend from the flexed position by placing his hands  
2 on his thighs, walking up his thighs.

3 Q. What significance do you place on that,  
4 Doctor?

5 A. That's another finding that indicates to me  
6 that he's attempting to exaggerate. I treat a lot  
7 of people with back pain and I see a lot of people  
8 with acute back pain. I see people that have had an  
9 injury some time after their back injury. And the  
10 people that are really hurting and have really been  
11 hurt don't walk up their thighs after they've bent  
12 over.

13 Q. When you say walk up his thighs, what do you  
14 mean he was doing?

15 A. As I explained initially, he bent over, got  
16 all the way down such that his fingertips reached  
17 his ankles and then he put his hands on his thighs  
18 as he extended as if he was walking up his thighs  
19 helping himself to get himself extended.

20 Q. All right.

21 A. Extension and lateral bending were normal and  
22 he was able to walk on his heels and toes without  
23 difficulty.

24 Q. All right. Did you do a pinprick testing of  
25 his lumbar spine?

1 A. Not of his lumbar spine.

2 Q. What did you do a pinprick testing of?

3 A. His lower extremities.

4 Q. Thank you, Doctor. And what did you find?

5 A. Interestingly enough, he had decreased  
6 perception of pinprick in his left lower extremity  
7 in a nonanatomic pattern.

8 Q. Doctor, did you examine any other part of his  
9 body that we haven't reviewed at this point?

10 A. No.

11 Q. Okay. Did you examine records of treatment  
12 for Mr. McPherson?

13 A. I did.

14 Q. Can you first just tell us what they were,  
15 just a listing so we can get some idea of the amount  
16 of material that you reviewed?

17 A. Certainly. **As** a matter of fact, I referred  
18 to them as voluminous.

19 I examined the emergency room record of Woman  
20 and Children's Hospital for March 24th, 1989.

21 I actually personally reviewed the  
22 radiographs that were obtained on March 24th, 1989.

23 I reviewed Chiropractor Shimmel's letter of  
24 March 3rd, 1990.

25 I reviewed Dr. Sveda's records for the period

1 between May 18th, 1989 and May 25th, 1989.

2 Dr. Lefkovitz's letter of August 14th, 1989.

3 Dr. Lefkovitz's letter of June 13th, 1990.

4 I tried to review Dr. Lefkovitz's records,  
5 but I couldn't decipher his record, his handwriting.

6 Nor could I decipher Chiropractor Fakhoury's  
7 handwritten records.

8 I reviewed Dr. Smith's letter of August 30th,  
9 1990.

10 I reviewed Dr. Burke's letter of August 31st,  
11 1990.

12 I reviewed Dr. Mann's letter of March 16th,  
13 1992.

14 And I reviewed radiographs of the cervical  
15 spine on January 8th, 1992.

16 That was the information.

17 Q. Doctor, just prior to this videotaped  
18 deposition were you handed a MR report of Mr.  
19 McPherson's cervical spine?

20 A. Yes.

21 Q. Did you read it just prior to this video here  
22 today?

23 A. I did.

24 MS. ROLLER: Okay. And I'll  
25 just state for the record that the date of

1           that examination was, or of that MR was July  
2           27th, 1992.

3   Q.       Doctor, let's *go* back now and talk about some  
4   of the records that you reviewed and what if  
5   anything significant you found in them.

6           First of all, with respect to the emergency  
7   room records from the hospital in West Virginia,  
8   what if anything did you note about those records?

9   A.       I noted, first of all, that Mr. McPherson was  
10   treated there shortly after midnight on March 24th,  
11   1989.

12           He was examined by the emergency room  
13   physician who made the diagnosis contusion/cervical  
14   strain.

15           Radiographs were obtained. And those  
16   demonstrated upon my review no evidence of fracture,  
17   dislocation or disk space narrowing. There was a  
18   small spur at C-5.

19   Q.       Does that small spur at C-5 account for any  
20   of the complaints that Mr. McPherson made to you  
21   during your examination of him?

22   A.       No.

23   Q.       What else did you review then that you found  
24   of significance in forming your opinion in this  
25   matter?

1 A. What other records? Well, there was  
2 Chiropractor Shimmel's letter which indicated or  
3 actually summarized his treatment of Mr. McPherson  
4 between April 5th, 1989 and February 20th, 1990.

5 It was significant to me that Mr. McPherson  
6 first obtained treatment from Chiropractor Shimmel  
7 two weeks after the accident and at that time he had  
8 complaints referable to his neck, left shoulder and  
9 midback.

10 There were some subjective findings referable  
11 to the cervical, thoracic spine and left shoulder.

12 It was also significant to me that  
13 Chiropractor Shimmel did not report in his letter  
14 any further findings or complaints that may have  
15 necessitated the treatment which Mr. McPherson  
16 received.

17 And lastly with respect to Chiropractor  
18 Shimmel, there was nothing in his letter that  
19 indicated Mr. McPherson had symptoms or physical  
20 findings referable to his low back during the period  
21 that he treated him between April 5th of 1989,  
22 February 20th of 1990, 10 months.

23 Q. All right. Now, Doctor, first of all, why is  
24 it important to you that he saw Chiropractor Shimmel  
25 for the first time two weeks after the accident?

1 A. Well, I think that, I don't think, I believe,  
2 that that's an indicator of the severity of his  
3 injury.

4 That is to say, if he had sustained a  
5 significant injury or an injury of major severity to  
6 his cervical spine, I don't think that he would have  
7 proceeded on to Florida. And I believe that he  
8 would have sought treatment when he returned to the  
9 Akron area.

10 He returned to -- he proceeded on to Florida  
11 and then he returned to Cleveland and then received  
12 treatment.

13 Q. All right. And with respect to his low back,  
14 the fact that there was nothing in Chiropractor  
15 Shimmel's records for his initial treatment that  
16 there was any complaint of pain in the low back,  
17 that, I take it, is also significant to you  
18 regarding the severity of the -- well, why don't you  
19 tell us: Why is that significant?

20 A. When I obtained Mr. McPherson's history in  
21 May of 1992 he indicated to me that he had low back  
22 pain. He also indicated to me that his low back  
23 pain began about a year prior to the time that I  
24 was, I examined him.

25 Chiropractor Shimmel saw him within two weeks



1 of the accident which occurred in 1989. The lack of  
2 low back complaints in the 10 month period between  
3 April of 1989 and -- lost it, March of -- or  
4 February of 1990 is a further indication that he did  
5 not injure his low back in the automobile accident.

6 Q. If he had would you expect there to be  
7 complaints during that period, that 10 month period?

a A. Yes.

9 MR. LINNEN: Objection.

10 a. What other notations did you find significant  
11 in the other records that you reviewed, Doctor?

12 A. Dr. Lefkovitz wrote a letter of August 14th,  
13 1989, five months after the accident, there were no  
14 symptoms or physical findings referable to the low  
15 back, there were no focal neurologic deficits,  
16 that's again important because it indicates another  
17 source to whom Mr. McPherson does not complain of  
18 low back problems.

19 In the letter of June 13th, 1990 Dr.  
20 Lefkovitz refers to the cinefluoroscopy, but he  
21 doesn't indicate whether he has actually examined  
22 that himself.

23 Q. Let me ask you: What is a cinefluoroscopy?  
24 I'm not familiar with that type of test myself so  
25 can you describe that?

1 A. Well, that's all right. I mean, you know,  
2 you've gone to the cinema, okay?

3 Q. Yeah.

4 A. The movies, okay? So a fluoroscopy is a  
5 temporary radiograph. That is to say -- it's  
6 something that's hardly done any more. You turn the  
7 x-ray machine on and you generate some x-rays for a  
8 brief period of time and while the person is in the  
9 machine you have them move back and forth.

10 Now you do have the ability to obtain hard  
11 copy radiographs, but the idea is that while you're  
12 x-raying somebody's neck you're watching their neck  
13 move.

14 Q. Do you use cinefluoroscopy?

15 A. No.

16 Q. Now, was a hard copy or a film developed as a  
17 result of the cinefluoroscopy that you could  
18 examine?

19 A. Yes.

20 Q. Did you have the film yourself to examine?

21 A. Yes.

22 Q. Okay. Do you agree with Dr. Lefkovitz when  
23 he states that the cinefluoroscopy demonstrated  
24 listhesis of C-4, or C-3 on 4 and C-4 on 5?

25 A. No, I don't agree with him.

1 Q. First of all, what is that, listhesis?

2 A. Listhesis. In fact, what he saw was  
3 retrolisthesis. Okay.

4 Listhesis is a slipping, okay? Retro-  
5 listhesis means that it's slipping backward,

6 Now, when I looked at the two radiographs the  
7 flexion/extension views of the cervical spine that  
8 were obtained on April 3rd of 1990 these was,  
9 indeed, a very mild retrolisthesis of C-4 on C-5.

10 That is to say that the posterior border of  
11 C-4 was slightly behind the posterior border of C-5.  
12 But that's a very common finding on flexion and  
13 extension views and is not indicative of any laxity.

14 Dr. Lefkovitz had indicated that Mr.  
15 McPherson had cervical spinal laxity. Now first of  
16 all, if you had cervical spinal laxity as a result  
17 of damage to the soft tissues, one vertebra wouldn't  
18 fall backwards on another. In fact, it would fall  
19 off frontwards.

20 Q. Well first of all, do you agree that Mr.  
21 McPherson has cervical laxity?

22 A. No.

23 Q. And this listhesis, C-3 on C-4 or 4 on 5,  
24 first of all, do you agree that he has that  
25 condition?

1 A. I felt that he had a mild retrolisthesis of  
2 C-4 on 5.

3 Q. And does that condition account for the  
4 complaints he is making in his cervical spine?

5 A. No.

6 Q. All right. Now, what other documents or  
7 records that are in Mr. McPherson's file did you  
8 find significant in coming to your opinion in this  
9 case, Doctor?

10 A. Well, Mr. McPherson was examined by three  
11 additional physicians, Dr. Smith, Dr. Burke and Dr.  
12 Mann.

13 What was significant to me was that both Dr.  
14 Smith and Dr. Burke described on the right side, not  
15 the left side, the right side, decreased sensation  
16 in a nondermatomal pattern.

17 Q. Is that consistent with what you found on  
18 your examination, findings in a nondermatomal  
19 manner?

20 A. Yes.

21 Q. Okay. Now, with respect to the right versus  
22 the left, in the history you were given by Mr.  
23 McPherson what side did he say he was having trouble  
24 with?

25 A. So long ago I forgot.

1 Q. Maybe if I can help you, Doctor.

2 A. Actually he said it was his left arm that was  
3 more symptomatic than his right arm, okay?

4 Q. Okay. And what did Dr. Smith and Dr. Burke  
5 find in their examinations?

6 A. They found this nonanatomic numbness on the  
7 right side of his body, not the left side of his  
8 body.

9 Dr. Mann, when he examined him, also found no  
10 physical process present, certainly not one that can  
11 account for as many symptoms in apparent total  
12 disability.

13 Q. Well then, Doctor, let me ask you: Based  
14 upon the history you were given, the physical  
15 examination you conducted, the review of the  
16 radiographs, the review of the records, do you have  
17 an opinion based upon a reasonable degree of medical  
18 probability as to whether or not Robert McPherson  
19 sustained any injury in the automobile accident of  
20 March 24th, 1989?

21 A. I have an opinion.

22 Q. What is your opinion?

22 A. I believe he sustained a cervical strain as a  
24 result of the automobile accident of March 24th,  
25 1989.

1 Q. Do you have an opinion to a reasonable degree  
2 of medical probability as to whether or not he still  
3 suffers from any pain or problems from the cervical  
4 strain?

5 A. I have an opinion.

6 Q. And what is that opinion?

7 A. I believe that at the time that I examined  
8 him and thus at the present time he had recovered  
9 from the cervical strain and does not suffer from  
10 that injury.

11 Q. By your testimony you indicated that the only  
12 problem he had was to his neck or his cervical area.  
13 What about the low back, did he suffer an injury in  
14 his low back from this accident in your opinion,  
15 Doctor?

16 A. He did not.

17 Q. All right. Now, the tests that were taken of  
18 Mr. McPherson, first of all, some nerve conduction  
19 studies were taken; is that correct?

20 A. Yes.

21 Q. And do you know the results of them?

22 A. Yes.

23 Q. And what were they?

24 A. The nerve conduction and the EMG, or  
25 electromyographic studies of the left upper

1 extremity that are contained in Dr. Lefkovitz's  
2 records were normal.

3 *a.* What about the bone scan? First of all, what  
4 was that, a bone scan of what portion of his body?

5 A. Bone scans are of the entire body. That was  
6 also normal.

7 Q. All right. And the MR, you just had a chance  
8 to review, I'd like to go over that with you for a  
9 moment.

10 First of all, the conclusion of, or the  
11 impression of Dr. James Zelch, the radiologist,  
12 states bulging disk two millimeters with tenting of  
13 the posterior longitudinal ligament at three levels.  
14 Did I correctly state what his conclusion was,  
15 Doctor?

16 A. You read that very well.

17 Q. Thank you.

18 A. It's all right.

19 Q. Does that in any -- first of all, is that an  
20 abnormal finding?

21 A. Is that an abnormal finding? No, it's not an  
22 abnormal finding.

23 Q. The doctor says, Dr. Zelch says he has a  
24 bulging disk, two millimeters. You say it's not  
25 abnormal. Why do you say that's not abnormal?

1 A. Because as an orthopedic surgeon who treats  
2 patients and not radiographs, not MR, I am aware of  
3 studies which indicate that asymptomatic patients,  
4 for example, can have these kinds of findings.

5 So from a radiologist's standpoint, yes, they  
6 are considered an abnormality.

7 But from somebody who treats patients, they  
8 are not considered abnormal and they are not  
9 productive of symptoms, they don't cause symptoms.

10 Q. Does the two millimeter bulge that was,  
11 that's discussed here in the report of the MR,  
12 explain in any way to you the ongoing complaints  
13 that Mr. McPherson is making, made during his  
14 examination with you in May of this year?

15 A. No, it does not.

16 Q. Did he also -- did Mr. McPherson sustain some  
17 contusions in this accident as far as you know?

18 A. I believe so.

19 Q. Okay. Is the findings or the reports of Dr.  
20 Burke and Dr. Smith, are they consistent with your  
21 opinion in this matter?

22 A. Some of my opinions, yes.

23 Q. All right. With respect to the findings that  
24 Mr. McPherson's symptoms were in a nonanatomic  
25 pattern, is that consistent with your findings?



1 A. Yes.

2 Q. And with your opinion here today?

3 A. Yes.

4 Q. Likewise Dr. Mann, his report, did you find  
5 that that was consistent with your opinion here?

6 A. Yes.

7 Q. When did you review the records that you  
8 received of Mr. McPherson?

9 A. After I took the history and after I  
10 performed the physical examination.

11 Q. All right. Is there a reason that you review  
12 the records after you do those things?

13 A. Yes.

14 Q. And what is that?

15 A. I don't like to review records. I also feel  
16 that I like to go in and I like to take a history  
17 from the individual like I do from any other  
18 patient. I want him to tell me his story. I don't  
19 want to rely on a set of records as to what's going  
20 on. I want him to tell me what parts of his body he  
21 believes to be symptomatic and so I can examine  
22 those and then I review the records which tell me  
23 what has gone on in the past.

24 Q. Doctor, based upon your opinion that Mr.  
25 McPherson sustained a cervical strain in this

1 accident, and based upon your review of the other  
2 diagnostic tests and the records, do you have an  
3 opinion as to how long he would have incurred any  
4 discomfort or pain from that cervical strain?

5 A. Yes.

6 P. What is your opinion?

7 A. I believe that he would have been symptomatic  
8 with respect to this particular injury for a period  
9 from six to eight weeks.

10 Q. And what is the reason you say that? What's  
11 the basis of that opinion?

12 A. When I look at the events that occurred in  
13 the immediate post-accident period, I note, for  
14 example, his ability to proceed on his vacation.  
15 The fact that he returned back to the Akron city  
16 area; sought chiropractic treatment rather than  
17 medical treatment; that there was, it was two weeks  
18 after the accident that he had first treatment; that  
19 in his letter to Mr. McPherson's attorney the  
20 chiropractor did not delineate any additional  
21 symptoms or delineate any additional physical  
22 findings after the description of what was present  
23 two weeks after the accident.

24 And so I feel that his ability to do the  
25 things that he did in the manner in which he did

1    them indicates that he had a mild cervical strain  
2    and I think that those kinds of injuries take about  
3    six to eight weeks to heal themselves.

4    Q.       All right. After that six to eight week  
5    period is there anything in your examination or any  
6    of the records or any of the tests that would  
7    indicate to you that Mr. McPherson was not able to  
8    return to work at that time?

9    A.       NO.

10   Q.       Now he was an engineer at a railroad. Does  
11   that make any difference to you that that may be  
12   perhaps a more active job than sitting behind a  
13   desk?

14   A.       Well, it's certainly a more active job than  
15   sitting behind a desk. But the bottom line is  
16   although I don't know each and every specific  
17   activity that he did in that job he was doing the  
18   job before the accident and so I have no reason to  
19   believe that he could not do the job after the  
20   accident.

21   Q.       Even in light of the strain he received to  
22   his cervical spine?

23   A.       Once that strain healed even in light of the  
24   strain, yes.

25   Q.       Is there anything in your review of the

1 records or your examination that indicated to you  
2 that that strain to his cervical spine wouldn't have  
3 healed in the period that you've just described, six  
4 to, what, 12 weeks is what you said?

5 A. You're being too generous, I only gave him  
6 six to eight weeks.

7 Q. Okay, whatever.

8 A. I said when you asked me that a little bit  
9 ago, I said no, he got better.

10 MS. ROLLER: Okay. I have  
11 nothing further, Doctor. Thank you.

12 THE WITNESS: You're welcome.

13 MS. ROLLER: Off the record  
14 for a moment.

15 (Discussion had off record,)

16 CROSS-EXAMINATION

17 BY MR. LINNEN:

18 Q. Doctor, I'm Jay Linnen. I represent Bob  
19 McPherson in this case. We briefly met this  
20 afternoon. I have a couple questions for you.

21 A. Good evening.

22 Q. When you and I talked earlier this afternoon  
23 I think you indicated that you did not recognize the  
24 diagnosis of myofascial pain syndrome; is that a  
25 correct statement?

1 A. Yes.

2 Q. All right. Do you call that type of syndrome  
3 or do you have a different word for that type of  
4 diagnosis?

5 A. No, I've not used that and I really don't  
6 have a different word, I don't have a different word  
7 for it.

8 Q. All right. Is it your opinion that that type  
9 of syndrome is nonexistent?

10 A. I have never made that diagnosis. Obviously  
11 other people feel that it's existent and have made  
12 that diagnosis.

13 Q. Okay. For instance, in this case I believe  
14 one or two of the physicians have made a diagnosis  
15 of myofascial pain syndrome; and would you  
16 specifically disagree with that diagnosis in the  
17 case of Mr. McPherson?

18 A. Yes.

19 Q. All right. And I think you also indicated  
20 that, this was earlier this afternoon when you and I  
21 talked, that you believed in all cases of soft  
22 tissue injuries that at some point the patient would  
23 recover completely; is that a correct statement?

24 A. Yes.

25 Q. All right.

1 A. Well, let me just explain that a little if I  
2 may because, you know, when we talk about all, I  
3 mean, I can think of a lot of things. In cases of  
4 soft tissue injuries to the cervical spine involving  
5 the muscles and the ligaments, yes.

6 Q. Okay. I don't know whether this has been  
7 marked during the course of this deposition, but it  
8 was previously marked as Plaintiff's exhibit number  
9 2, the MRI which was taken of my client back on July  
10 27th of 1992.

11 And you had the opportunity to review that  
12 MRI earlier this afternoon; is that correct?

13 A. The MRI report, yes.

14 Q. Okay. And looking at that report you made a  
15 determination that there were some abnormalities; is  
16 that correct?

17 A. Yes.

18 Q. Okay. You also, I believe, looked at a  
19 report during the course of your review of this case  
20 that was made by a Dr. Witek down in Sarasota,  
21 Florida?

22 A. May I refresh my recollection?

23 Q. I think Dr. Witek was the physician that did  
24 the cinefluoroscopy.

25 A. The cinefluososcopy?

1 Q. Yes.

2 A. I don't recall that I saw the report. I  
3 think what I saw was Dr. Lefkovitz's reference to  
4 that report.

5 Q. Okay. So you have --

6 A. But I'd be happy to look at it.

7 Q. Okay. I'm going to hand it to you then and I  
8 think then we can have an agreement, we can mark  
9 that as exhibit 1 today.

10 MS. ROLLER: Fine.

11 Q. First of all --

12 MR. LINNEN: Thanks.

13 Q. -- I think you indicated in the direct  
14 examination that you do not utilize fluoroscopy?

15 A. That's correct.

16 Q. All right. What is the -- is there a type of  
17 diagnostic procedure that you would utilize instead  
18 of fluoroscopy?

19 A. Yes. For example, if I was concerned that  
20 somebody had cervical spinal instability following a  
21 soft tissue injury to their cervical spine, first  
22 thing I would do would be get views in flexion,  
23 extension and in neutral. And by comparing those  
24 three views I could determine whether an individual  
25 had instability.

1 Q. All right. And that would just be a regular  
2 x-ray?

3 A. They would be routine radiographs but in  
4 three specific positions. Normally radiographs are  
5 taken in flexion and extension. But you want to  
6 include the neutral to see the degree of change  
7 between the flexion to the neutral, from the neutral  
8 to the extension and that would give you an  
9 indication of whether or not there is instability.

10 Q. All right. Now have those type of  
11 radiographs been performed for Robert McPherson?

12 A. No.

13 Q. All right. So all we have to go on is the  
14 fluoroscopy, the -- is it cinefluoroscopy?

15 A. Cine, correct.

16 Q. -- that was performed down in Florida?

17 A. That's correct.

18 Q. And does that report indicate any  
19 abnormality?

20 A. It was the impression of Dr. Witek that there  
21 was a listhesis of C-4 on 5 anteriorly consistent  
22 with posterior longitudinal ligament laxity or  
23 damage in this area.

24 Q. What does that mean to you?

25 A. What the verbiage means is that that C-4 slid



1 forward on C-5, he says in the flexion views.

2 Q. Is that an abnormal condition in your  
3 opinion?

4 A. If what he -- I'm sorry. I can't answer that  
5 without determining the degree of listhesis. In  
6 other words, just like bulging disks, there's a  
7 range. So that if there is some sliding forward or  
8 backward of a couple millimeters, that's going to be  
9 within the range of normal or it's going to be  
10 abnormal but not indicative.

11 Q. So it's based on the degree of the listhesis?

12 A. That's right.

13 Q. All right.

14 A. You wanted to mark this, I believe?

15 Q. We could mark it when we're done. I think  
16 we'll mark it as exhibit 1.

17 Q. What is laxity? What would that mean in  
18 terms of the cervical spine?

19 A. And let's confine it to the cervical spine.

20 There are a number of supporting structures  
21 or a number of structures that help to maintain the  
22 alignment and integrity of the cervical spine. So  
23 if someone has laxity, I don't want to sound  
24 facetious, but the only thing that comes to mind is  
25 that they're lax.

1 Q. Things are loose up there?

2 A. Loose, that's a great word, loose, okay, that  
3 they're not as stable as they should be.

4 Q. All right. Is laxity -- have you had  
5 occasion to treat people that you made that type of  
6 diagnosis, that they had a loose condition or laxity  
7 in the cervical spine?

8 A. In the 22 years that I've been treating  
9 people I have never treated anybody and I've only  
10 seen one report in the orthopedic literature of one  
11 case. Maybe there are two now.

12 Q. You also indicated that there's a set period  
13 of time to recover from a soft tissue injury and I  
14 was wondering: How did you come up with that  
15 period?

16 A. No, I said for the kind of condition that I  
17 felt Mr. McPherson had.

18 Q. Oh, okay,

19 A. Certainly if somebody had a more significant  
20 injury than Mr. McPherson had it would take him  
21 longer to recover.

22 Q. Well unless you originally treated Mr.  
23 McPherson how would you know the degree of his  
24 injury at the actual time or close to the time of  
25 the actual injury; how would you be able to make

1 that determination?

2 A. By reviewing the records that I reviewed.

3 Q. All right. All right. It would have been  
4 much easier or more definitive if you had been the  
5 original treating physician to make that conclusion,  
6 if you had been in the position to review him or to  
7 examine him shortly after the collision I think you  
8 would have had a better idea of his actual  
9 condition; would that be correct?

10 A. If I had been the original treating physician  
11 you wouldn't be asking me the questions that you're  
12 asking me today.

13 If those people who treated him in the  
14 immediate post-accident period were accurate  
15 observers and accurate recorders of their  
16 observations, then I have the same information that  
17 they have and it really makes no difference whether  
18 I'm reviewing their records in retrospect or whether  
19 I'm right there hands-on.

20 Q. All right. Doctor, I didn't see anything in  
21 your report to indicate the speed of the motor  
22 vehicles involved. Is that something that would be  
23 important to you in making a diagnosis in this case?

24 A. It really wouldn't, because speed is a factor  
25 but it's the end result that you make the diagnosis

1 from. I mean, what actually happened to the person  
2 -- I have some pictures of some pretty horrendous  
3 looking automobile accidents where people had no  
4 injuries.

5 Q. All right. **And** for that matter the amount of  
6 property damage would not be an important factor to  
7 you in making a diagnosis of Mr. McPherson; is that  
8 correct?

9 A. No, that's correct.

10 Q. I believe if I'm correct that in the direct  
11 examination you indicated that a person can be  
12 asymptomatic, meaning having no symptoms, and have a  
13 bulging disk; is that correct?

14 A. That's correct.

15 Q. Have you ever seen a patient in the -- was it  
16 24 years?

17 A. Roughly, right.

18 **a\*** -- 24 years that you've been practicing that  
19 did have symptoms that could be correlated to a  
20 bulging disk?

21 A. The answer is yes. And I recall operating on  
22 a patient who had symptoms that correlated to a  
23 bulging disk which was 17 millimeters of bulge, not  
24 two millimeters, but 17 millimeters.

25 Q. All right.

1 A. So again the degree is important.

2 Q. Have you ever seen a patient that had  
3 symptoms that could be related to a bulging disk of  
4 two millimeters?

5 A. No.

6 Q. Never?

7 A. Never.

8 Q. Okay. You also indicated that, I can't  
9 remember exactly what it was, Doctor, but it seemed  
10 to me that you were negative in some way towards  
11 chiropractors; is that true?

12 A. Am I negative towards chiropractors?

13 Q. The type of treatment that they provide.

14 A. Yes, I don't think it's appropriate.

15 Q. All right. Do chiropractors, some  
16 chiropractors, some chiropractors that you might be  
17 familiar with, do they provide the same services as  
18 a physical therapist on some occasions?

19 A. There are some chiropractors, and I was  
20 trying to think if I was familiar with any of them,  
21 who do only modalities and don't do manipulation,  
22 yes.

23 Q. So that would be, in that case it would be  
24 very similar to what the physical therapist, the  
25 type of treatment they would provide?

1 A. A certain portion of the treatment a  
2 therapist would provide, yes.

3 Q. Now, as part of your review of this case, you  
4 are aware that the Railroad Retirement Board has  
5 ruled that this individual is occupationally  
6 disabled; are you aware of that determination?

7 A. I don't think so. I know that he was -- he  
8 told me he had been examined by people for the  
9 Railroad Retirement Board, but I'm not aware of what  
10 their ruling was.

11 Q. All right. Well they have ruled that he's  
12 occupationally disabled. And my question to you is  
13 whether you are familiar with the physical  
14 requirements that, of the type of position that Mr.  
15 McPherson had prior to his injury; do you have any  
16 information concerning those?

17 A. **As** I indicated earlier, I'm not familiar with  
18 all the requirements of an engineer.

19 Q. Okay. Are you familiar with any of the  
20 requirements of an engineer?

21 A. I think if I'm correct an engineer does have  
22 to throw some switches and things of that nature.

23 Q. All right. So you're not in a position to  
24 evaluate whether Mr. McPherson would be capable of  
25 performing his regular job functions because you

1 don't know what those functions are?

2 A. That's right, except as I answered the  
3 question earlier.

4 Q. All right. And when you and I met earlier  
5 this afternoon you indicated that you weren't sure  
6 how many defense medicals you perform in a week but  
7 your rule is that you don't do any more than three;  
8 is that correct?

9 A. No. When we met earlier today you asked me  
10 how many examinations I did in a week and I said I  
11 don't know how many I do in a week, I said, but I  
12 limit myself to the average of three on behalf of  
13 the defense. That is I may do a couple plaintiffs,  
14 I may do more plaintiffs, I don't know.

15 Q. But do you do mostly defense?

16 A. I don't know what mostly, you know, what  
17 mostly is. If mostly is 51 percent, then --

18 Q. That's mostly.

19 A. -- that's mostly. So in a year's time I  
20 suspect I do more defense medicals than I do  
21 plaintiff's medicals.

22 Q. And you charge, what was it, 375 an hour for  
23 a defense medical; is that what it was?

24 A. You must be from the IRS. No, I said three  
25 and a quarter.

1 Q. Oh, okay, three and a quarter.

2 MR. LINNEN: Doctor, I have no  
3 further questions. Thank you.

4 MS. ROLLER: Doctor, I just  
5 have a few follow up.

6 REDIRECT-EXAMINATION

7 BY MS. ROLLER:

8 Q. You indicated you don't know what the  
9 functions are of a railroad engineer; is that  
10 correct?

11 A. That's correct.

12 Q. Whatever the functions were that Mr.  
13 McPherson was doing before the automobile accident  
14 in March of 1989 do you have an opinion as to  
15 whether or not he is capable of performing those  
16 same functions after, say, let's say two months  
17 after this accident; do you have an opinion?

18 MR. LINNEN: Objection.

19 A. Yes, I have an opinion.

20 Q. And what is your opinion?

21 A. That after two months after the accident he  
22 was capable of returning to the same occupation that  
23 he had before the accident.

24 Q. All right. You **also** indicated in response to  
25 Mr. Linnen's question that you feel that in all



1 cases where there is injury to the soft tissues of  
2 the cervical spine eventually those patients, those  
3 people will recover?

4 A. Yes.

5 Q. What's your reason for stating that?

6 A. 21 years of practice, 24 years of practice.

7 Q. Okay. In addition to that the anatomical or  
8 medical reasons for why they do recover?

9 A. We're talking about injuries to the muscles  
10 and to the ligaments, okay? We're excluding  
11 injuries to the bone. We're excluding injuries to  
12 the disks. And things heal, they get better.

13 I mean, I can't, you know, one of the things  
14 I guess that we don't have the advantage of is that  
15 we really don't go out and dissect out, you know,  
16 our patients' necks, you know, and look at them at  
17 varying times afterwards to see the status of the  
18 healing. But I guess you know from laboratory  
19 experiments with animals and stuff these things  
20 heal.

21 Q. And that's your experience, Doctor?

22 A. Yes.

23 MS. ROLLER: I have nothing  
24 further.

25 MR. LINNEN: I just have a

1           couple additional questions and I'll be real  
2           quick.

3                               RECROSS-EXAMINATION

4   BY MR. LINNEN:

5   Q.       There is a physician down in Akron, I'm not  
6   going to give you his name, he's also a Harvard  
7   graduate, and he indicated to me when someone  
8   injuries their neck, cervical spine, it never  
9   completely recovers. They may be asymptomatic,  
10   meaning not having any symptoms, I'm sure you know  
11   what that means, Doctor, but he indicated that the  
12   person would be more susceptible to injury and that  
13   the person actually never completely recovers from  
14   that injury.

15           And I was just wondering if you've ever -- is  
16   this a difference in opinion between physicians?  
17   Have you heard other physicians with a similar point  
18   of view?

19   A.       Wow, that's sure one long question. The  
20   bottom line is that yes, it's a difference of  
21   opinion. His opinion is that once you've had a soft  
22   tissue injury to your cervical spine you never  
23   recover. I don't believe that's true. I believe  
24   you do recover.

25   Q.       Are there orthopedists here in the Cleveland

1 area that recognize myofascial pain syndrome to your  
2 knowledge?

3 A. I'm trying to think.

4 I've not seen anybody use it in the Cleveland  
5 area.

6 Q. Have you seen physicians other than the  
7 physicians involved in this case utilize that type  
8 of diagnosis, myofascial pain syndrome?

9 A. Fortunately it hasn't spread up from Akron to  
10 Cleveland yet.

11 MR. LINNEN: I have no further  
12 questions. Thank you.

13 THE WITNESS: Thank you.

14 MS. ROLLER: Nothing further.

15 THE WITNESS: I'll waive.

16 (Plaintiff's exhibit 1 was marked  
17 for identification purposes.)  
18  
19  
20  
21  
22  
23

24 - - - -


25

1 State of Ohio, )  
 2 County of Cuyahoga. ) SS: CERTIFICATE

3 I, Michelle A. Bishilany, a Registered  
 4 Professional Reporter and Notary Public within and  
 5 for the State of Ohio, do hereby certify that the  
 6 within named witness, **DENNIS B. BROOKS, M.D.**, was by  
 7 me first duly sworn to testify the truth, the whole  
 8 truth, and nothing but the truth in the cause  
 9 aforesaid; that the testimony then given was reduced  
 10 by me to stenotypy in the presence of said witness,  
 11 subsequently transcribed into typewriting under my  
 12 direction, and that the foregoing is a true and  
 13 correct transcript of the testimony so given as  
 14 aforesaid.

15 I do further certify that this deposition was  
 16 taken at the time and place as specified in the  
 17 foregoing caption, and that I am not a relative,  
 18 counsel or attorney of either party or otherwise  
 19 interested in the outcome of this action.

20 IN WITNESS WHEREOF, I have hereunto set my hand  
 21 and affixed my seal of office at Cleveland, Ohio,  
 22 this 18<sup>th</sup> day of August, 1992.

23   
 24 Michelle A. Bishilany, Holland & Associates, Inc.  
 25 520 National City - E. 6th Bldg., Cleveland, Ohio  
 My commission expires 1-11-96.