

1 State of Ohio, )  
2 ) §§:  
County of Cuyahpga. )

3 - - -  
4 IN THE COURT OF COMMON PLEAS  
5 - - -

6 JAPES L. McKNIGHT, et al., )  
7 )  
Plaintiffs, )  
8 ) Case No. 65691  
vs. )  
9 ) Judge Frederick Coleman  
DAVID A. SMITH, )  
10 )  
Defendant. )

11 - - -  
12 DEPOSITIOZJ OF DEFNIS B. BROOKS, M.D.

13 THURSDAY, APRIL 10, 1986  
14 - - -

15 The deposition of Dennis B. Brooks, M.D., a witness called  
16 for examination by the defendant under the Ohio Rules of  
17 Civil Procedure, taken before me, Robert A. Cangemi, a Notary  
18 Public in and for the State of Ohio, by agreement of counsel  
19 and without further notice or other legal formalities, at the  
20 offices of Dennis B. Brooks, M.D., 26900 Cedar Road,  
21 Cleveland, Ohio, commencing at 2:00 P.M., on-the day and  
22 date above set fort'?.  
23 - - -  
24  
25

## APPEARANCES:

On behalf of the Plaintiffs:

Nurenberg, Plevin, Heller & McCarthy  
David M. Paris, Esq.  
Seventh Floor - Engineers Building  
Cleveland, Ohio 44114

On behalf of the Defendant:

Davis and Young Co., L.P.A.  
Martin J. Murphy, Esq.  
816 Engineers Building  
Cleveland, Ohio 44114

- - -

ALSO PRESENT:

Multi-Video

- - -

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

MR. MURPHY: Let's go on the stenographi,  
record first.

We can let the record reflect that this  
deposition is on videotape and stenographic recording  
of Dr. Dennis Brooks, a witness called on behalf of  
the defendant on direct examination, for purposes of  
reading and/or showing the videotape to the jury in  
Cuyahoga County Common Pleas Case No. 65691, captioned  
James L. McKnight versus David Smith.

The deposition of Dr. Brooks is being taken  
at this time and place pursuant to an agreement  
between counsel as to the taking of the deposition,  
and any of the requirements of notice or service of  
notice are expressly waived.

It is also my understanding that the  
requirement of filing the videotape and/or the  
transcript of the reporter are expressly waived.

MR. PARIS: That is correct.

MR. MURPHY: Okay, then we can go on  
the record.

THE VIDEO OPERATOR: Stand by... we are on the  
record.

- - -

DENNIS B. BROOKS, M.D.

a witness herein called for examination by the defendant,  
under the Rules, having been first duly sworn, as  
hereinafter certified, was examined and deposed as follows:

DIRECT EXAMINATION

BY MR. MURPHY:

Q Doctor, would you state your full name for the court  
and jury?

A Dennis Bruce Brooks.

Q And Doctor, your deposition for testimony at trial  
is being taken where?

A In my office.

Q And Doctor, can you tell us, if you would, where you  
began your medical training?

A Yes. I went to Western Reserve University School of  
Medicine and graduated from there in 1963.

Q After you concluded your study at Western Reserve  
University Medical School, what did you do?

A I served as a rotating intern at the Mt. Sinai  
Medical Center for one year.

Then I served as a general surgery resident also at  
Mt. Sinai.

Following that I took a two year orthopedic residency  
at Mt. Sinai Hospital.

My fifth year of postgraduate training was as a

1 research associate in the Biomechanics Laboratory of  
2 Case Western Reserve University.

3 And my sixth year of postgraduate training was in  
4 children's orthopedics at the Indiana University Medical  
5 Center.

6 Q. And after you finished the six years of training after  
7 medical school, Doctor, what did you do?

8 A I served in the United States Air Force as a Major  
9 and the last -- for two years, and during the last year,  
10 I was chief of the orthopedic services at Davis Monthan  
11 Air Force Base.

12 Q. And after you concluded your service in the military,  
13 what did you do?

14 A I returned to Cleveland and joined three other  
15 orthopedists in the private practice of orthopedic surgery?

16 Q. And when was that, then, that you returned to  
17 Cleveland?

18 A 1971.

19 Q. And have you been involved in the practice of  
20 orthopedic surgery ever since?

21 A. Yes, I have.

22 Q. And have you been involved as a matter of fact with  
23 the same fellows?

24 A Yes.

25 Q. Now Doctor, in regard to your practice in Cleveland,

1 do you have any associations with any hospitals?

2 A. Yes, I do.

3 Q. And would you tell the Court and jury what hospitals  
4 you have associations with, and what those associations are?

5 A. I am on the active staffs of the Mt. Sinai Medical  
6 Center, Hillcrest Hospital, and Suburban Community Hospital.

7 And the consulting staff of Woodruff Hospital.

8 Q. And do any of your staff responsibilities at any of  
9 the hospitals or elsewhere involve any teaching responsi-  
10 bilities?

11 A. Yes, they do.

12 Q. And will you tell us about those?

13 A. I am an assistant clinical professor of orthopedic  
14 surgery at Case Western Reserve University School of Medicine

15 I am active in the orthopedic residency teaching  
16 program at the Mt. Sinai Medical Center.

17 And I also lecture in the field of biomechanics.

18 Q. And Doctor, have you confined your practice to  
19 orthopedic surgery?

20 A. Yes.

21 Q. And could you tell the Court and jury what orthopedic  
22 surgery is?

23 A. Yes. Orthopedic surgery is that branch of medicine  
24 that deals with the musculoskeletal system.

25 That is today, as an orthopedist, I treat patients

1 who have diseases and injuries to that part of their body  
2 that is made up of their bones, joints, soft tissues, that  
3 cover those areas, the muscles, ligaments and tendons;  
4 as well as patients who have problems with their spine and  
5 the contents of the spine, which include the interveterbral  
6 discs and the nerve roots.

7 As an orthopedic surgeon I treat patients who have a  
8 variety of problems. There are those that are present at  
9 birth that are called congenital in nature.

10 There are the category of illnesses that occur as  
11 one is growing. Those are referred to as developmental.

12 There is the large part of our practice that deals  
13 with people who have sustained injuries, be they in auto-  
14 mobile accidents, sports activities, work activities.  
15 Those are referred to as traumatic.

16 And the last category are the patients who present  
17 with problems that arise as part of the aging process,  
18 and that is referred to as a degenerative problem.

19 As an orthopedic surgeon, I treat my patients both  
20 with and without surgery, depending on their condition.

21 Q. Do other physicians who treat these types of problems  
22 in human beings -- do they join together in associations,  
23 or boards in your specialty?

24 A. Yes, they do.

25 Q. And what's that called, Doctor?

1 A Well, there are the associations, for example,  
2 the American Academy of Orthopedic Surgeons is an asso-  
3 ciation that is comprised of orthopedic surgeons.

4 The American Board of Orthopedic Surgery is the  
5 board which certifies orthopedic surgeons as being competent  
6 to practice their specialty.

7 Q And in that regard, are you a member of this board?

8 A Yes, I am.

9 Q And can you tell me when you became a member of the  
10 board?

11 A I became certified by the American Board of Orthopedic  
12 Surgery in 1971.

13 Q And do you have to pass a test of some kind, or how  
14 does that occur, that you become certified?

15 A Yes, and yes.

16 It occurs by first taking a prescribed training  
17 course, much like I outlined. Then practicing only ortho-  
18 pedics to the exclusion of all other branches of medicine  
19 for one year in one location.

20 And then, in my situation, I had to take an examina-  
21 tion which was a full day written examination and a half day  
22 oral examination.

23 As a matter of fact, this July, I am going to be an  
24 examiner for the American Board of Orthopedic Surgery.

25 Q Doctor, to return then to the practice of orthopedic



1 surgery, in treating patients for orthopedic problems, what  
2 diagnostic tools did you have to use?

3 A In general, the diagnostic tools that we use are  
4 history, physical examination. Depending on the diagnosis  
5 that comes out of that portion of the process, we will --  
6 or I will -- oftentimes order radiographs and then depending  
7 on the patient's condition and the obscurity, or if you will,  
8 of their problem, we will go to more specialized procedures,  
9 for example, we utilize CATscans, myelograms, magnetic  
10 residence imaging, electrodiagnostic studies, to name a  
11 few.

12 Q Okay. In that regard, is your clinical practice then  
13 intimately involved on certain occasions with that of  
14 radiology?

15 A It is so intimately involved that we have a door  
16 between our office and the radiologists next door, and I  
17 probably spend half of my day over in the x-ray office.

18 Q You mentioned a CT scan, and do you have occasion  
19 then to read CT scans?

20 A Yes, I do.

21 Q How often would you do that in your practice?

22 A I read -- well, I read essentially every CT scan that  
23 I order, and I would say that with patients in the hospital,  
24 and with patients in the office, I am probably reading CT  
25 scans several times a month.

1 Q. And with regard to these types of tools, the CT scan,  
2 x-ray films, the myelogram that you talked about, do you  
3 ever have an opportunity to correlate those with real  
4 patients in terms of what you find, for instance, when you  
5 perform surgery?

6 A. Well, absolutely. Every diagnostic tool or every  
7 diagnostic test that I order is not considered in a vacuum.  
8 It only has meaning when I correlate it with the patient  
9 whom I have examined.

10 And I certainly do not operate on every patient on  
11 whom I order a CATscan, for example, but I have had oppor-  
12 tunities in the past to correlate the findings, clinical  
13 examination, the CATscan, the myelogram, with the actual  
14 findings at surgery.

15 Q. And you do operate then on the human spine- in your  
16 practice?

17 A. Yes, that is correct.

18 Q. Doctor, could you just generally tell us what the  
19 basic anatomy of the human spine is?

20 Could you just generally describe that, or is that  
21 too broad for you?

22 A. Well, let me try to be general, and then if it is  
23 not specific enough, you will ask me some more questions.

24 Q. Okay.

25 A. The spine is essentially broken into various segments.

I don't mean fractured, when I say broken, but it  
can be classified or grouped into various segments.

There is the cervical spine, which is the portion  
that we refer to as our neck.

There is the thoracic spine, which is that portion of  
the spine which extends below the neck to about waist level  
and is directly behind the chest.

There is the lumbar spine, which is referred to  
commonly as the low back area.

There is the sacrum or the sacral spine and the  
coccyx.

So there are those segments.

Each portion of the spine is comprised of a number  
of vertebra, and the number of vertebra, which in essence  
are the building blocks of that segment, different from one  
portion of the spine to the other.

Between each of the vertebral bodies there is a  
material or a structure which is referred to as the  
intervertebral disc, and that's a donut shaped structure,  
if you will, that's between each of the two vertebral bodies.

In addition, the spine is a tubular structure, if  
you will. The back part of the spine is a tubular structure,  
and through that tube in the upper portions of the spine  
runs the spinal cord, and the spinal cord ends after the  
level of L-1, the first lumbar vertebra.

1           After that the various nerve roots which are present  
2 throughout the spine continue in the coverings of the  
3 spine, which is called the dura, another tubular structure  
4 within this bony tube.

5           The spine itself is covered by muscles and certain  
6 ligaments.

7 Q.       Are there standard methods that orthopedic surgeons  
8 use in examining the spine to determine abnormalities of any  
9 of the segments now that you have told us about in describing  
10 the anatomy of the spine?

11 A.       Yes.

12 Q.       And do you regularly perform those types of examina-  
13 tions?

14 A.       Yes.

15 Q.       In that regard, did I engage you, Doctor, -to examine  
16 Mr. James McKnight in this case?

17 A.       Yes, you did.

18 Q.       And did I agree to pay for your time, for your  
19 services, as an examiner and perhaps even as a witness in  
20 this case?

21 A.       Yes.

22 Q.       And after the engagement, Doctor, did you actually see  
23 Mr. McKnight?

24 A.       I did.

25 Q.       And was that in this very office?

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A. Yes, it was.

Q. And can you tell the Court and jury when you saw Mr. McKnight?

A. I believe it was on December 4th, 1984.

Q. Now Doctor, in addition to the examination that I am going to ask you about, did you also receive from me certain records relative to Mr. McKnight?

A. Yes, I did.

Q. And at one time or another, did we eventually get to you all of Mr. McKnight's films?

A. Yes.

Q. And have you had an opportunity to review all of those?

A. Yes, I have.

Q. Well, let's *go* back and start with the day-Mr. McKnight came here, if we could, Doctor, and you can refer to your notes.

A. Thank you.

Q. All right.

Did Mr. McKnight appear alone here?

A. No, he did not.

Q. And was someone with him?

A. Yes, there was.

Q. Do you recall who was with Mr. McKnight?

A. I know that it was a representative of his attorney,

1 and if my memory serves me correctly, I think it was  
2 Mr. Rosen, but I may have been wrong.

3 Q. And can you tell us, then, when the patient came in,  
4 did you take a history from him?

5 A. I did.

6 Q. Is that the initial step or one of the initial steps  
7 when you conduct an examination of a person?

8 A. Yes.

9 Q. All right.

10 And tell us what history you took from this patient?

11 A. Mr. McKnight informed me that he had been injured on  
12 April 29th, 1983, when he was driving an automobile which  
13 was involved in a head-on collision with a second car.

14 He was not wearing seat belts at the time of the  
15 accident and told me that he had been -- using his words  
16 -- "Thrown around the car," as his car went backwards.

17 He was aware of immediate pain in his neck following  
18 the accident.

19 He told me that he went to Lakewood Hospital the  
20 following morning for evaluation of the symptoms which he  
21 had with respect to his neck and low back.

22 He was given a prescription for pain medication and  
23 released.

24 Approximately three days after the accident he came  
25 under the care of Dr. Mulligan and was treated with muscle

1 relaxants and Valium.

2 He was referred to Dr. Katzenmeyer and received  
3 outpatient physical therapy for approximately one month.

4 Mr. McKnight indicated that he was placed in the  
5 Hubbard tank, received ultrasound, traction and hot packs  
6 to his neck and low back.

7 He told me that each treatment took approximately four  
8 to five hours, and that he received treatment approximately  
9 three times a week.

10 He recalled that during physical therapy he was  
11 improved.

12 He indicated that sometime thereafter his back became  
13 more symptomatic, and in the latter part of September of  
14 1983, he went to the emergency room of Lakewood Hospital.  
15 He was examined and referred to Dr. Yurick.

16 He told me that initially Dr. Yurick prescribed a  
17 back brace and medication and that later a bone scan and  
18 a CT scan were ordered.

19 By that time, he had continuing low back pain and  
20 what he referred to as a little pain in his neck.

21 He was told that the CT scans showed a slipped disc,  
22 using his words.

23 He continued with this back brace and remained home  
24 on bed rest for approximately a week.

25 He was then given medication to do, as he told me,

"Shrink down the fatty tissue."

During 1984, he continued under Dr. Yurick's care and was last examined by him in August of 1984.

He told me that he had not been hospitalized, nor had he been treated by other physicians.

He was aware of the fact that he was scheduled to be re-evaluated by Dr. Yurick in January of 1985.

I then inquired as to his condition, or his symptoms, at the time of my examination, and he told me that his neck was, using his words, "Almost sometimes completely better," but at other times he had symptoms with respect to his neck.

He told me those occurred approximately every two months, and he would be symptomatic for approximately one month. He indicated that he had been symptomatic for the two weeks preceding my examination and described pain in the left side of his neck which radiated into the superior aspects of his shoulders.

He had no associated arm radiation. He was unable to describe those activities which increased his neck symptoms. He further indicated that his low back was symptomatic, as he put it, "all the time," primarily to the left side.

If he sat for what he referred to as an extended period of time, or if he sat in the soft chair, he would develop pain radiating into his buttocks.

His symptoms were also increased by bending, putting or



1 his shoes -- I am sorry, putting on his pants, or tying  
2 his shoes.

3 He had no true leg radiation.

4 Coughing and sneezing produced left low back pain.

5 I then inquired into his past medical history, and  
6 he indicated that in 1980 or 1981 he was involved in an  
7 automobile accident and sustained what he referred to as a  
8 whiplash. He was treated by Doctors Mulligan and Katzenmeyer  
9 and recovered.

10 He sustained no low back injuries in the accident of  
11 1980 or 1981.

12 He had no prior symptoms referable to his low back,  
13 and he had sustained no new injuries since his accident of  
14 April 29th, 1983.

15 At the time of my examination, in December of 1984,  
16 he was taking no medication, and he was working as a salesman

17 Q Okay. Did that complete then your history taking  
18 from the patient?

19 A Yes, **it** did.

20 Q And the next stage of your engagement involved the  
21 examination, is that correct? ...

22 A That is correct.

23 Q Would you tell the jury then what examination you made  
24 of Mr. McKnight?

25 A I performed a standard orthopedic examination, which

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

cervical spine and his lumbosacral spine and examined those areas by observing them, by having him perform various ranges of motion, by doing various neurologic testing, and by palpating various areas.

Q. Doctor, do I understand that this was done in an examining room?

A. That is correct.

Q. As the patient disrobed, so you can see the spine?

A. Yes. For example, when I am examining a male patient, such as Mr. McKnight, I ask him to remove all his clothes except his undershirt.

Q. All right. Can you then, Doctor, in a step by step progression, if you would, tell us what examination you performed?

A. Yes. The examination began with observation and I noted that ~~Mr~~ McKnight was a male of approximately his state age. He was of average proportions.

I noted that he was able to *get* out of the chair without difficulty, that he was able to walk without limping, and that he was able to climb onto and off of the examining table in a normal fashion.

Q. Do those findings, or those observations that you made, are they part of the examination?

A. Yes, they are.

1 Q. And of what importance are those?

2 A. Those are all normal findings. They are important in  
3 that they are normal.

4 They would also be important if they are abnormal.

5 For example, if he had limped when he walked, that  
6 would have been a clue that there may have been -- excuse  
7 me -- something wrong with either a leg or something of that  
8 nature.

9 Q. I am sorry to interrupt you, Doctor, then go ahead  
10 with your answer.

11 A. I then examined his cervical spine and noted that  
12 he had normal cervical lordosis without evidence of  
13 paracervical or trapezius spasm.

14 Q. Can you break that down into ordinary language for the  
15 jury?

16 A. Certainly. That's the ordinary language I use all the  
17 time, but it is medical terminology.

18 I suspect -- you want to know several things. Cervical  
19 refers to the neck, okay?

20 Lordosis is the configuration of the cervical spine.  
21 If you look at somebody from the side, you will see that in  
22 the normal situation their neck assumes sort of a C-shaped  
23 configuration.

24 Paracervical is the area on either side, para, of the  
25 cervical spine.

1           Trapezius is the large muscle that covers the top  
2 of your shoulder and extends down your back.

3           Q.       What is spasm?

4           A.       Spasm is a sustained contraction of a muscle, much  
5 like a charley horse.

6           Q.       Okay. You can continue then, Doctor.

7           A.       I found that there was tenderness to palpation in the  
8 left trapezius. There was approximately 75 percent of normal  
9 cervical flexion, extension and lateral rotation, and  
10 approximately 50 percent of normal lateral bending.

11          Q.       Can you explain those findings?

12          A.       Certainly. Flexion is nodding your head forward.

13                   Extension is looking backwards.

14                   Lateral rotation is turning your head from side to  
15 side.

16                   And lateral bending is tilting your head from side  
17 to side.

18          Q.       All right.

19                   And in regard to the findings of limitation, what does  
20 that represent?

21          A.       It represents that Mr. McKnight did not demonstrate  
22 a normal range of cervical motion.

23                   He had approximately three-quarters of normal flexion,  
24 extension and lateral rotation, and approximately half of  
25 normal lateral bending.

1 Q. Does he do that himself, or do you turn his head?

2 A. No, he does that himself.

3 Q. Thank you, Doctor.

4 What else was involved in the examination then?

5 A. I found that when he performed lateral rotation and  
6 lateral bending, he complained of pain in each side of his  
7 neck. I performed a neurological examination of the upper  
8 extremities and found that he had normal deep tendon re-  
9 flexes, muscle strength and sensory perception.

10 Q. I will have to stop you again and ask you what the  
11 significance of those findings are, and if you can explain  
12 them a little bit so the jury can understand them, and I can  
13 understand them.

14 A. Let me explain what they are, and then we will discuss  
15 their significance.

16 Deep tendon reflexes, those are your reflexes, the  
17 thing that the doctor checks with a little red rubber handle.

18 Muscle strength is really an evaluation of the  
19 strength of various groups of muscle, and sensory perception  
20 is the patient's ability to perceive, to feel, to experience  
21 either light touch or pinprick.

22 This is done to determine if there is a problem.  
23 For example, in this case, with the nerves that supply the  
24 various muscles, and the various skin portions.

25 These nerves originate in this particular situation

1 in the cervical spine.

2 These are all normal findings.

3 Q. All right.

4 Did you then do further examination?

5 A. Yes. I then examined his lumbosacral spine or his  
6 low back.

7 Q. And what was your examination and findings concerning  
8 the low back?

9 A. That he had normal lumbar lordosis, without evidence  
10 of paraspinal spasm.

11 He had no areas of localized tenderness on palpation  
12 in the lumbosacral area, sacroiliac joints or the sciatic  
13 notches.

14 Q. What is localized tenderness as opposed to just  
15 general tenderness?

16 A. Localized tenderness means when I palpate the various  
17 areas I am only touching a local area. In other words, I  
18 can't, even though I have reasonably big hands, I can't touch  
19 your whole back at one point, so that I will touch the  
20 lumbosacral spine, touch the sacroiliac joint or touch the  
21 sciatic notch.

22 So the palpation is localized to those areas.

23 Q. What is the significance of no areas of localized  
24 tenderness, then?

25 A. That's a normal finding.

1 Q. Okay. Thank you.

2 What else occurred then?

3 A I found that forward flexion could be accomplished,  
4 such that his fingertips reached his knees.

5 Extension and lateral bending were performed fully,  
6 and he complained of pain with extension and pain with left  
7 lateral bending.

8 Q. Can I stop you there, Doctor?

9 A. Certainly.

10 Q. What is the significance of pain with left lateral  
11 bending, as opposed to right lateral bending, or is there any  
12 difference?

13 A When there is one abnormal finding, I can't really  
14 attach a lot of significance to that particular finding, in  
15 isolation. Mr. McKnight, for example, complained of pain in  
16 the left low back.

17 When he bent toward that side on examination, he  
18 complained of pain on that area.

19 Q. All right. Then what happened next in your examination?

20 A. I found that the Burns Test was positive.

21 Q. Can I stop you there, Doctor? --

22 I would like to know what the Burns Test is, if you  
23 can explain that to us?

24 A. Yes. The Burns Test is one of the tests that is used  
25 to evaluate the lumbar spine.

1           The patient is asked to kneel onto a wooden chair  
2 that has no arms, and his body is parallel with the back of  
3 the chair.

4           If I am not being clear, let me know.

5 Q.       Okay.

6 A.       So he is parallel with the back of the chair.

7           While he is in the kneeling position, I then ask him  
8 to sit back so that his buttocks touches his heels, to  
9 hunker down.

10          From that kneeling position, he is asked to bend for-  
11 ward as far as he can, to touch the floor, if he can.

12          In Mr. McKnight's case, after he assumed the position,  
13 sat back onto his heels and bent over, he did not touch the  
14 floor.

15 Q.       What's the significance of that?

16 A.       The significance of that is that that test demonstrate  
17 that Mr. McKnight was not performing to his full capacity.

18 Q.       Why do you use that type of test?

19 A.       That type of test is a confirmatory test for some of  
20 the other tests that we use.

21          For example, when Mr. McKnight was in-the standing  
22 position, I asked him to bend over, and he only bent over  
23 as far as being able to have his fingertips reach his knees.

24          That's a marked limitation of motion.

25          Very unusual. By having him perform the Burns Test,



several things occur.

When he gets into the kneeling position and sits back onto his heels, he relaxes his hamstring muscles. He also relaxes the muscles that go from his spine down to his pelvis and he also flattens out his lumbar lordosis.

In that position he is putting his spine and his extremities in the most relaxed position that there can be, and unless he had the condition, which I will explain in a minute, he ought to be able to bend forward and touch the floor.

Now, the conditions, none of which Mr. McKnight had, would be a fused spine, or a rigid spine, fused hip joints, or solid hip joints, total hip replacement, whatever.

**So** in essence what I am saying is that Mr. McKnight had the capacity to perform the 'BurnsTest such that he could have, touched the floor if he wanted to.

Q. Well, did you then conduct other tests, Doctor, on the patient?

A. Yes, I did.

Q. And what else did you do?

A. I found that heel walking and toe walking were performed without evidence of weakness or of pain.

I found that sitting straight leg raising could be accomplished to the horizontal bilaterally, and that each maneuver was accompanied by left low back pain.

1 Q. Is there any significance to those findings?

2 A. The fact-that sitting straight leg raising could be  
3 accomplished to the horizontal bilaterally is significant  
4 in that it is a normal finding.

5 The fact that he complained of low back pain on both  
6 the right side and the left side again is a finding that  
7 needs to be considered with respect to the other findings.

8 He had some pain, and I noted that.

9 Q. Okay. And what else then was done?

10 A. I found that supine straight leg raising could be  
11 accomplished to 45 degrees on the right and to 60 degrees on  
12 the left, and that each maneuver was accompanied by left  
13 low back pain.

14 Q. Can I stop you there?

15 What is the difference between sitting straight leg  
16 raising and this supine straight leg raising,?

17 A. Sitting straight leg raising is performed with the  
18 patient initially sitting over the edge of the examining  
19 table, and initially his knees are bent.

20 I asked him to raise one leg and then the other leg,  
21 so that his knee is extended.

22 In that position, his leg is parallel with the floor,  
23 and then the test could be performed to the horizontal.  
24 What I mean by that is, his leg is now parallel with the  
25 floor and forming a right angle or a 90 degree angle with his

1 body.

2 The supine straight leg raising test is performed by  
3 asking the patient to lie down, and then I assist him in  
4 raising up one leg and then the other leg.

5 Then when he indicates either he is having pain, or  
6 there is resistance to this maneuver, we stop.

7 So in Mr. McKnight's situation, sitting straight leg  
8 raising could be accomplished such that he formed a 90 degree  
9 angle between his legs and his body. That's a normal finding.

10 When we turned -- when he turned over, 90 degrees so  
11 that he was lying down, he only performed this to 60 degrees  
12 on the right, and to 45 degrees -- I am sorry -- 45 degrees  
13 on the right and to 60 degrees on the left, about a half to  
14 two-thirds what he did in the sitting position.

15 Q Should there be any difference between those two tests?

16 A No, there shouldn't be. There is no anatomic  
17 basis for this.

18 Q Does this correlate back with the Burns Test, this  
19 type of finding?

20 A Yes, it does.

21 Q All right. And what else did you do with regard to  
22 Mr. McKnight, then?

23 A I found that the Lasegue's maneuver was negative.

24 Q What's that?

25 A The Lasegue's maneuver is a test that is designed to

1 put stress on the sciatic nerve.

2 The test is performed during the supine straight leg  
3 raising test, and it is done just at the limit where the  
4 patient complains of pain.

5 I then dorsiflex his ankle or bring his toes into the  
6 upward position.

7 That maneuver causes tension or stretch on the  
a sciatic nerve.

9 When the test is negative, the patient has no complaint  
10 of pain in his leg and in particular in his calf.

11 Q And what other examinations did you perform?

12 A I performed a neurological examination of the lower  
13 extremities and found that he had hyperactive deep tendon  
14 reflexes, no ankle clonus, normal sensory perception, a  
15 giving way type of weakness of each extensor hallucis longus  
16 and normal strength of the other muscle groups.

17 Q Can I ask you about the type -- this giving way type  
18 of weakness and the extensor hallucis longus?

19 A Yes. The extensor hallucis longus is the long  
20 extensor of the great toe, and that particular muscle is  
21 ~~ennervated~~ or supplied by a specific nerve.

22 When I asked him to bring his toes up and to hold  
23 them against my resistance, they collapsed very quickly.  
24 Again, that's not a finding that has any anatomical basis.

25 When somebody has weakness, they will bring, for

example, their toe up, and they will resist my pushing and ultimately fatigue, and the muscle or the toe actually will begin to drop.

4                   This was the type of weakness that we refer to as  
E giving way; with the slightest of pressure, his toe fell down

6 Q           Is this the same type of finding, then, going back  
7 to the Burns Test and the findings in the supine and the  
8 sitting straight leg raising?

9 A           It falls into the same category, yes.

10 Q          All right.

11               Was there any other examination, physical examination  
12 performed by you?

13 A          No, there was not.

14 Q          Does that conclude all of the standard orthopedic  
15 examinations that you needed for purposes of your' engagement  
16 in this case?

17 A          It included all the standard orthopedic examinations  
18 that I would use on any patient, not just particularly for  
19 you, Mr. Murphy.

20 Q          Okay. Did you do anything else then, Doctor, con-  
21 cerning this patient?

22 A          Yes. I sent -- referred him to the radiologist next  
23 door, asked that radiographs of the cervical spine and  
24 lumbosacral spine be obtained, and personally went over  
25 and reviewed those radiographs after they had been taken.

1 Q. And can you tell us, Doctor, what the findings were  
2 with regard to the radiographs that you took on December 4th?

3 A. Yes. The radiographs of the cervical spine showed  
4 no evidence of fracture, dislocation or disc space narrowing.

5 Q. And how about the x-rays of the lumbosacral spine,  
6 low back?

7 A. They showed no evidence of fracture or dislocation.  
8 There was narrowing of the lumbosacral interspace with  
9 associates spurring.

10 Q. And where precisely was that located?

11 A. The narrowing was at the lumbosacral interspace or the  
12 interspace that is between the fifth lumbar and first sacral  
13 vertebra.

14 Q. Okay. Now with regard to anything else you did on  
15 the 4th of December, did you do anything else concerning the  
16 patient, first of all?

17 A. Physically?

18 Q. Physically?

19 A. No, I did not.

20 Q. Did that conclude your physical examination and your  
21 x-ray examination of Mr. McKnight?

22 A. Yes.

23 Q. And then he left your office, I take it?

24 A. Yes.

25 Q. In the company of his lawyer?

1 A. No, in the company of Mr. Rosen.

2 Q. Okay. And did you read any additional, or did you  
3 have any additional records or documents at that time?

4 A. I did.

5 Q. And did you review those?

6 A. I did.

7 Q. Did you have any films, other films at that time?

8 A. Yes.

9 Q. And did you review those?

10 A. Yes.

11 Q. Did you have everything you needed in terms of other  
12 films and records and documents at that time?

13 A. No, I did not.

14 Q. And what documents and records or films did you find  
15 you were lacking?

16 A. I had -- the documents that were lacking were the  
17 emergency room record from April 30th, 1983 at Lakewood  
18 Hospital.

19 The reports of Doctors Mulligan, Katzenmeyer and  
20 Yurick; and the radiologist's interpretation of the CT scan  
21 of the lumbar spine. --

22 Q. So did you formulate any opinions at that time, or  
23 did you defer that until you had all of your -- all of these  
24 documents and records?

25 A. I deferred it until I had the remainder of the

1 documents and records.

2 Q. Okay. And then did there come a time when I later  
3 got those materials for you?

4 A. There did.

5 Q. And did you receive those then sometime thereafter?

6 A. Yes, I did.

7 Q. And then you reviewed those, I take it?

8 A. That is correct.

9 Q. To go back just for a moment, did you review the  
10 x-ray film that was taken at Lakewood Hospital on April 30th,  
11 1983 --

12 A. I did.

13 Q. -- emergency room?

14 A. I did.

15 Q. And did you compare that with the film you took here?

16 A. Yes.

17 Q. And what ~~were~~ your findings in terms of reading that  
18 film and the films you took on December 4th?

19 A. I found that the radiographs of the cervical spine,  
20 which were obtained on April 30th, 1983, were the same as  
21 the films of the cervical spine that were obtained on  
22 December 4th, 1984.

23 In essence, they were normal.

24 I also found that the radiographs of the lumbosacral  
25 spine that were obtained on April 30th, 1983, were the same



1 as the radiographs that were obtained on December 4th, 1984.

2 That is to say, it was the same degree of narrowing  
3 of the lumbosacral interspace with the same degree of spurring  
4 the day after the accident as there was when I examined him  
5 in 1984.

6 I was trying to -- a year and seven months later.

7 Q. Can you tell the jury what you mean by narrowing  
8 and spurring?

9 A. Yes. Spurring refers to an additional bony proli-  
10 feration or bony growth, much like a spur or a barb, or an  
11 additional projection on the bone. This is as a result of  
12 arthritis or degenerative changes.

13 Narrowing refers to the fact that the space between  
14 each vertebra has a normal height. And in addition, each  
15 vertebral interspace has a normal relationship to the remain-  
16 ing interspaces.

17 For example, the widest interspace is the L4-L5  
18 interspace. The lumbosacral interspace, the L5-S1 inter-  
19 space is about the same height or width as the L3-L4 inter-  
20 space, the one above it.

21 Narrowing is a condition where, when I look at the  
22 x-rays, I can see that the height of that space between the  
23 two vertebra is less than it should be.

24 It is smaller or narrower.

25 Q. And what are the causes of narrowing?

1 A. The most common cause of narrowing is a condition  
2 referred to as degenerative disc disease.

3 The other causes of narrowing can be infection,  
4 can be post-surgical changes: for example, as a result of  
5 a laminectomy.

6 There is a congenital narrowing, in other words, a  
7 narrowing that an individual is born with.

8 I was just trying to think of if I had seen any  
9 narrowing immediately following trauma, or if that condition  
10 exists. It really doesn't exist immediately after trauma,  
11 so those are the basic causes.

12 Q. Doctor, with regard to Mr. McKnight, specifically,  
13 did you have an occasion, in addition to reading his  
14 plane films, to look at the CT scan that was performed?

15 A. Yes, I did.

16 Q. Now, before we get to that, Doctor, can I ask you  
17 this:

18 Is there degrees or -- strike that.

19 In terms of the disc, and its relationship with the  
20 vertebrae, and its relationship with the nerves and the dur ,  
21 do discs bulge, extrude, protrude, or herniate?

22 A. Yes, all four.

23 Q. Can you sort of explain that for me?

24 A. Sure. The disc, when it is looked at in cross-section,  
25 is like a disc, like a donut without a hole.

It has a covering around it that is referred to as the anulus.

In addition, it is reinforced in the front by the anterior longitudinal ligament, and in the back by the posterior longitudinal ligament.

In its normal configuration, the disc is oval in its geometry.

The earliest form, or the most minimal degree of abnormality or pathology would be a bulging disc, and what occurs when you look at the disc, instead of being oval and symmetrical within the confines of the anulus, it has a bulge.

It is beginning to push on the anulus and stretch the anulus in a certain area.

The next degree would be a protrusion, which is a larger bulge, if you will.

The next degree would be an extrusion. I would think of -- when people commonly think about -- when they talk about extruding things, if you had a pasta maker, for example, and you were making some pasta, for example if you were making the slices of pasta, you were-extruding it out of the pasta maker, so with an extrusion there is now a hole in the anulus or a tear in the anulus, and a portion of the disc material is now extruded or pushing out of this.

And a herniation is the other end of the spectrum, the

1 highest grade of the spectrum, wherein this extruded piece  
2 has completely come out of the confines and is lying free  
3 and separate from the disc.

4 Q. Do you treat people with these various disorders in  
5 your everyday practice?

6 A. Yes, I do.

7 Q. Is this one of the common problems that you treat  
8 in your practice?

9 A. Yes.

10 Q. Are there classic then symptoms to a person who would  
11 have a herniated disc or an extruded disc?

12 A. Yes.

13 Q. And what are the classic symptoms and findings?

14 A. The classic symptoms and findings of a herniated disc  
15 would be an individual who has back pain, and more important  
16 who has leg pain.

17 Oftentimes, patients who have an extruded or herniated  
18 disc will tell you that they had back and leg pain sometime  
19 in the past, and all of a sudden their back pain went away,  
20 but their leg pain got worse.

21 Now, we will confine things to the Lumbar spine, other-  
22 wise we will be here for quite a while, and we will confine  
23 it to three specific levels, because those specific levels  
24 are the most common levels of a herniated disc.

25 There's the disc between the third and fourth lumbar

lumbar vertebrae, the L4-L5 interspace, and between the fifth and the first sacrum, the lumbosacral interspace.

Each of those areas has a specific pattern. And perhaps to simply it even more, let's just look at the lumbosacral interspace, the lowest interspace.

With an individual who has a herniated disc, an extruded disc, at the lumbosacral interspace, his leg pain would be unilateral. It would be on one side or the other.

Let's assume it is on the left side, he would complain of pain in his back, radiating into his buttocks, down the posterior aspect of his thigh, or the back of his thigh, into the posterior or posterolateral, into the back or the back and outer side of his calf, into his foot and the lateral aspect of his foot, the little toe and the fourth toe, the one right next to it.

Those would be his complaints of pain.

Depending upon the length of the time that the disc had been herniated, he would also, or may also, have numbness, and the distribution of his numbness would follow the same distribution that I talked about, and would probably be more pronounced in the little toe and next to it.

There are a whole variety of activities that would increase his symptoms. There are a whole variety of activities that would make his symptoms better.

1  
2 In terms of his physical findings, he would have  
3 some, if not all, of the following things: He would have  
4 limitation of straight leg raising, both sitting and supine,  
5 to the same degree.

6 He may have a positive Lasegue's test, with pain  
7 radiating into his calf.

8 Classically, he would have loss of his ankle reflex.  
9 He would have weakness of his calf musculature.

10 He would have difficulty or inability to walk on his  
11 toes, and he would have decreased perception of light touch  
12 along the lateral border of his foot.

13 Q Now, can I ask you then to consider Mr. McKnight in  
14 terms of your examination, specifically regarding, let's say  
15 the potential for a diagnosis of extrusion or herniated disc  
16 at L5-S1 on Mr. McKnight.

17 Did he have any of those findings that you just  
18 elicited?

19 A He had none of these symptoms. He had no neurological  
20 findings, and he had limitation only of supine straight leg  
21 raising, without limitation of sitting straight leg raising,  
22 and that was on both sides, rather than one side.

23 So in the pure sense, I would say, no, he had none  
24 of the classical findings of a herniated disc at the  
25 lumbosacral interspace.

Q With regard to the unilateral left side finding or

pain symptom, did you find that Kr. McKnight had unilateral pain?

A. He complained of pain unilaterally in the left side of his back.

I don't recall that he complained of left leg pain at the time that I examined him.

Q. Well, left -- I will ask you to assume, Doctor, that there has been a diagnosis of a disorder on -- causing an impingement of the nerve on the right side at L5-S1. What unilateral symptomatology should there be for that type of finding?

A. For the diagnosis of an extruded, or --

Q. Or herniated disc?

A. -- or herniated disc, the right side at L5-S1, it would be all the same symptoms that I talked about on the left side, but on the right side. He would experience them down his right leg in the distribution that I talked about.

Q. And was there any of that in Mr. McKnight's case?

A. No, there was not. In fact, I just reviewed his history again, and he had no leg radiation when I examined him.

A. Now Doctor, if we could get into the CATscan, maybe you could put it up on the shadow box there behind your desk.

THE VIDEO OPERATOR: We are off the record.

(Off the record.)

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

THE VIDEO OPERATOR: Stand by, we are on the  
record. .

BY MR. MURPHY:

Q. Doctor, you now have on the shadow box some of the  
films taken of Mr. McKnight at Lakewood General Hospital,  
as I understand it.

These are the types of films that you talked about  
before that you deal with on a regular basis in your  
practice?

A Yes.

Q. And with regard to the films of Mr. McKnight, can you  
tell me from looking at those films if you have reached a  
diagnosis or a finding relative to the films?

A. Yes.

Q. And can you tell me what your interpretation of the  
films is?

A. This is the Scout film.

This is the film that is taken for the radiologist as  
a reference, so that when he takes the remaining films of the  
CATscan, he can localize things in space, and in particular  
in the patient's -- with respect to the patient's anatomy.

This film shows narrowing of the lumbosacral inter-  
space, the L5-S1 interspace.

In the remaining films, and I am referring to  
Defendant's Exhibit A through D, as well as E through G,



3 I reached the conclusion that there is no evidence of  
4 a herniated disc.

4 Q. Okay. Now, with regard to the protrusion of discs  
5 or the extrusion of disc material, or the bulging beyond the  
6 normal boundaries of the disc, are there classic measurements  
7 that can be made from films such as a CATscan?

8 A. Yes.

9 Q. And is there a rule of thumb that is followed in terms  
10 of what type of protrusion, extrusion, herniation, or bulge,  
11 you must have before it becomes pathologic?

12 A. Yes.

13 Q. And what measurements are those?

14 A. Well, the radiologist can measure the degree of  
15 bulge or protrusion, and it is considered that when there is  
16 a protrusion that is five millimeters or larger, that is  
17 considered to be pathologic.

18 Q. And by pathologic, what do we mean, Doctor?

19 A. Abnormal.

20 2 And less than that would then be within the normal  
21 range?

22 A. That would be considered within the normal range.

23 Q. And is it -- what is your opinion with reasonable  
24 medical certainty as to the measurement of the protrusion in  
25 this case, in terms of normal or abnormal?

1 A. I believe with a reasonable degree of medical  
2 certainty that there is no abnormal protrusion in this  
3 situation.

4 Q. Thank you very much, Doctor.

5 THE VIDEO OPERATOR: We are off the record.

6 (Off the record.)

7 THE VIDEO OPERATOR: Stand by. We are on the  
8 record.

9 BY MR. MURPHY:

10 Q. Doctor, based on your review of all of the films and  
11 all of the records of Mr. McKnight, together with your  
12 examination, and based on your experience as an orthopedic  
13 surgeon in treating conditions of the human spine, did you  
14 arrive at an opinion, based on a reasonable degree of medical  
15 certainty, as to your findings as to whether or not Mr. McKnight  
16 suffered any orthopedic condition as a result of the accident  
17  
18  
19  
20

21 April 29th, 1983, Mr. McKnight sustained a cervical and  
22 lumbosacral strain.

23 Q. And Doctor, I will ask you to assume for purposes of  
24 this question that Mr. McKnight was involved in an automobile  
25 accident on the 30th of April, 1983, and that he went the

next day to Lakewood Hospital and had emergency room  
treatment for symptoms to his neck and low back, and that  
he followed up with Dr. Mulligan on the 2nd of May, 1983,  
and that he was referred to Dr. Katzenmeyer at Lakewood  
Hospital for therapy, and received therapy until June 3rd,  
1983;

And at that time the discharge note stated that the  
only pain now is in the middle of the back of his neck,  
burning sensation after sitting a while, and that he  
returned to work at that time and had no medical treatment  
whatsoever for June, July, August, and most of September,  
1983, until he returned from Pennsylvania on a car trip  
near the end of September of 1983 and went to Dr. Mulligan  
for this low back symptomatology, and then was referred to  
Dr. Yurick and had a CATscan that you saw.

I will ask you if you have an opinion, based upon  
reasonable medical certainty, if the findings by Dr. Yurick  
and the treatment by Dr. Yurick that occurred after  
September of 1983, and any subsequent treatment he may have  
had from any physician after September, 1983, related with  
reasonable medical certainty to the accident of April 29th,  
1983.

MR. PARIS: Objection.

A. I have an opinion, but may I ask you if I have to  
assume all the things that you said, even though I know that

1 one of those things is not true?

2 Q Doctor, what did I tell you that is not true?

3 The accident was April 29th, 1983, and the beginning  
4 of your question indicated that it occurred on April 30th,  
5 1983.

6 MR. MURPHY: Okay.

7 MR. PARIS: Want to reask that,  
8 Marty?

9 BY MR. MURPHY:

10 Q With that correction, Doctor, do you have an opinion  
11 as to whether the treatment after September of 1983 was  
12 related to the accident?

13 MR. PARIS: Objection.

14 A Yes.

15 MR. PARIS: Objection.

16 A Yes, I have an opinion.

17 MR. PARIS: Objection.

18 Q And what is your opinion?

19 A My opinion is that the treatment which he received  
20 in September of 1983 was not related to the accident which  
21 occurred on April 29th, 1983.

22 THE VIDEO OPERATOR: Excuse me. We are off  
23 the record.

24 (Off the record.)

25 THE VIDEO OPERATOR: Stand by. We are on the

1 record.

2 BY MR. MURPHY: -

3 Q. And Doctor, with regard to the bulge that was noted  
4 in this particular case, do you have an opinion, based upon  
5 a reasonable degree of medical certainty, as to whether that  
6 bulge is related to the accident of April 29th, 1983?

7 MR. PARIS: Objection.

8 A. Yes, I have an opinion.

9 Q. And what is that opinion?

10 MR. PARIS: Objection.

11 A. That it is not related to the bulge -- the accident  
12 of April 29th, 1983.

13 Q. In the history taking from this patient, by the way,  
14 Doctor, did Mr. McKnight tell you that he was a weightlifter?

15 A. No, he did not.

16 Q. Well, I will ask you to assume that from 1976, when  
17 Mr. McKnight graduated from Kent State -- or I believe he  
18 finished two years at Kent State University -- until an  
19 accident that occurred in 1981, he was involved in  
20 weightlifting, continuously and regularly on a weekly basis,  
21 I believe, three times a week.

22 I will ask you to assume that.

23 And with the exception of the hiatus of six months  
24 in 1981, until the time of this accident, was a weightlifter;  
25 do you have an opinion, based upon reasonable medical

1           certainty, that that type of activity causes -- caused abnormal  
2 wear and tear on the spine of this particular individual?

3           A.       Yes, I have an opinion.

4           Q.       And what is that opinion?

5                       MR. PARIS:               Objection.

6           A        Certainly weightlifting three times a week for a  
7 prolonged period of time does cause the spine to experience  
8 excessive loads and as a result would cause, and I believe  
9 caused, abnormal wear and tear on Mr. McKnight's spine.

10          Q.       When you saw him, he was, I believe, 31 years of age?

11          A.       That is correct.

12          Q.       And you noted some narrowing and some degenerative  
13 changes in this young man.

14          A.       That's correct.

15          Q.       Was that usual for his age group?

16          A.       Not for a 31-year old.

17          Q.       Okay. And taking into consideration the history of  
18 weightlifting that I gave you, would that provide an explana-  
19 tion?

20                       MR. PARIS:               Objection.

21          A.       Yes.

22          Q.       With reasonable medical certainty?

23          A.       Yes, it could.

24          Q.       Now, with regard to a bulge -- just one final question  
25 about the bulge, Doctor.

Is it significant if the bulge is central, as opposed to lateral?

In a disc between L5 and S1, in an anterior extradural bulge?

A. Yes. The location of the bulge is always significant.

Q. And what's the significance of the bulge, the location of the bulge?

A. The significance of the location of the bulge is that if the bulge is central, then it does not press on the two nerve roots which are peripheral or lateral to that, and the central bulge therefore cannot be productive of any leg radiation, and cannot fall into the category that's described as a herniated disc.

Q. And with regard to central bulges, if they were related hypothetically, if a bulge was related to-trauma, would there be a finding regarding the ligaments attached to the disc?

MR. PARIS: Objection.

A. Yes.

20 Q. And can the disruption of those ligaments be clinically diagnosed by an orthopedic surgeon?

MR. PARIS: Objection.

23 A. Not per se, no.

Q. Okay. How are they diagnosed?

25 A. Well, actually I didn't answer your last question

Yes, they can be diagnosed by an orthopedic surgeon when he operates on the patient and looks and sees that the patient's ligaments are ruptured. Barring an operation, any diagnosis that he makes is inferential, although accurate.

In other words, if he were to get a myelogram or a CT scan and see a herniated disc, the far end of the spectrum he would infer, and accurately so, that the ligament had been torn or ruptured, because that's the only way the disc material could have extruded or become herniated.

Q. For instance, in Mr. McKnight's case, do we know, without taking a repeat CATscan, or without a myelogram, or without surgery, if in fact, the bulge is there today?

A. No, we don't.

I would suspect that it is there today, because it was back there in September of '83.

Q. Okay. Was it there, in your opinion, to a reasonable degree of medical certainty, at the time of this accident?

3 On the day of the accident, for example?

Q. Yes.

4. Considering the fact that he had degenerative disc disease on the day of the accident, I would say, yes.

MR. MURPHY: Thank you very much, Doctor.

I have no further questions.



## CROSS EXAMINATION

BY MR. PARIS:

Q Doctor, my name is David Paris. I represent Tim McKnight.

Just to follow up on that last question, Doctor, if Mr. McKnight didn't have any degenerative disc disease, as you call it, at the time of this accident, would your opinion be different?

In other words, that there probably was no bulge there at the time of the accident?

A It would be different, but I wouldn't be able to say whether or not there had been a bulge at the time of the accident.

Q Then your opinion would be different, and that you would have no opinion one way or the other?

A That's correct.

Q Okay. In any event, there was a bulge as depicted in the CAT scans taken in October of 1983, is that correct?

A That is not my opinion.

Q Let me rephrase the question.

A Yes.

Q Do you suspect that there is still a bulge there today?

A No.

Q. That was your last opinion to Mr. Murphy.

A. That's right. You are absolutely right. That's what I said.

Q. All right.

Now, can I take a look at your file very briefly, before we start?

A. Certainly.

THE VIDEO OPERATOR: We are off the record.

(Off the record.)

THE VIDEO OPERATOR: Standby.

We are on the record.

BY MR. PARIS:

Q. Doctor, on what date were you first retained by Mr. Murphy to examine Mr. McKnight?

A. August 7th of 1984.

Q. And your defense medical examintaion was December 4, 1984?

A. That's correct.

Q. And that was about four months before the actual exam?

A. That's correct.

Q. And, Doctor, at that time was that how long you were booked up in advance for defense medical examinations?

A. I suspect that that probably was the case.

Q. And is that about how long you are currently booked

up in advance?

A Yes.

Q You do charge a fee for reviewing Mr. McKnight's records, the films, conducting the defense medical examination and preparing the report, I take it?

A Yes.

Q What was your fee in this particular case?

A I have no independent recollection.

Q Is your customary fee still in the range of \$175 to \$250?

A Yes.

Q Okay. And just to clarify, the purpose of your defense medical exam was not for the purpose of treating Mr. McKnight, but rather solely to render an opinion and prepare a report for Mr. Murphy on his behalf; is that correct

A Yes.

Q Okay. You are charging a fee for your testimony today?

A I never charge a fee for my testimony, Mr. Paris. Nobody buys my testimony.

I am charging a fee today to compensate me for the time away from my practice.

Q And your customary fee is what, \$500 for the first two hours?

A That is correct.

1 Q. And additional charges thereafter?

2 A. Correct..

3 Q. I take it you did charge for your time that you  
4 set aside in early March of this year, when this was  
5 supposed to be tried, but the trial was cancelled?

6 A. I don't recall whether I was notified far enough  
7 in advance.

8 if I was, then I didn't charge for that time.  
9 If the cancellation occurred reasonably close to that time,  
10 yes, I did charge.

11 Q. And you wouldn't charge if you were notified how  
12 long in advance?

48 hours.

That's close.

15 You met with Mr. Murphy again immediately prior  
16 to our last trial date of March 6, 1986, I take it?

17 A. Yes.

18 And you reviewed the CAT scans and films at that  
19 time?

20 A. Yes

21 Q. Did you also charge him an additional fee for that?

22 A. Yes.

23 Q. And what would have been your customary fee for  
24 that?

25 A. I don't recall how much time Mr. Murphy and I spent,

but I would have charged on an hourly basis at the rate of \$200 an hour.

Q. Okay.

Now, this is not the first time that you have been retained by defense to examine a plaintiff in order to render a report and opinions and if necessary testimony in Court, is that correct?

A. That is correct.

Q. And would it be fair to say, in fact, that you perform about four defense medical examinations on plaintiffs per week on behalf of defendants?

A. No, it is really only three.

Q. And when did it change from four to three?

A. Sometime between the last time you asked me the question and today.

I don't keep track of that. It is generally three a week, and with cancellations and other kinds of changes, it is probably averaging out less than three, but we schedule three a week.

Q. All right.

But you certainly recall the last time I was here, which was only a matter of probably four weeks ago, in regard to your testimony on behalf of Buckeye Union Insurance Company and Eleanor Latkovich, you indicated that you were still doing about four defense medicals a week; do

1       you recall that?

2                       MR. MURPHY:     I object to that.   I will  
3                       object to that.

4       A       I remember you being here.   I don't remember what  
5       I said.   You just said, "about four"?

6       Q       Yes.

7       A       Believe me, we schedule three a week on the  
8       average, which I think was your question.

9                       MR. NURPHY:     Well, I want to object for  
10                      the record.   If you have a transcript from before,  
11                      I think that would be appropriate to impeach what  
12                      he said.

13                     MR. PARIS:     All right.

14   BY MR. PARIS:

15   Q       As a matter of fact, Doctor, you ordered-a copy  
16   of that video tape.

17                     MR. MURPHY:     If you don't have a transcript  
18                      so that the witness can see it -- let's go off the  
19                      record.

20                     THE VIDEO OPERATOR:   We are off the record.

21                     (Off the record.)

22                     THE VIDEO OPERATOR:   Standby.

23                     We are on the record.

24   BY MR. PARIS:

25   Q       At least, Doctor, between yourself and I, we can

1 agree that you do between three and four defense medical  
4 examinations a week?

A Yes.

4 Q Okay. That would be somewhere shy of a about 200  
5 a year, taking into consideration that you take some time  
6 off from your practice?

7 A It would be closer to 150 than it would be to 200.

8 Q Okay.

9 A Out of thousands of patients that I see a year.

10 Q And that would be true in 1985?

11 A 1985, yes.

12 Q 1984?

13 A Yes, probably.

14 Q And 1983?

15 A You know, I am getting old. I don't remember back  
16 to 1983.

17 Q Okay. And, Doctor, would it be fair to say that  
18 your physical examination of Mr. McKnight upon which you  
19 are basing your testimony here today in part, only lasted  
20 ten minutes?

21 A I have no independent recollection-of-the amount of  
22 time that I spent examining Mr. McKnight. That is not an  
23 important issue to me.

24 I took as much time as was necessary to completely  
25 examine Mr. McKnight.

1 Q Certainly you wouldn't dispute the fact that it  
4 probably took only about ten minutes?

MR. MURPHY: Objection. He just answered  
4 the question.

5 Q Are you saying you don't know, or is ten minutes a  
6 reasonable calculation of time?

7 MR. MURPHY: Objection.

8 A What I am saying is that I don't have a recollection,  
9 that Mr. Rosen was there, and I assume that **Mr.** Rosen is  
10 honest, so whatever he told you I spent is what I spent.

11 Q Okay. Now, I take it you consider the history  
12 as related by the patient and his records to be of  
13 importance to you in drawing conclusions about the patient's  
14 diagnosis and perhaps the cause and effect relationship  
15 between the car accident and whatever findings and/or  
16 complaints he had.

17 A Yes.

18 Q And I presume that one of the significant factors  
19 that would be of importance in the history is whether in  
20 fact this accident presented significant trauma, is that a  
21 fair statement?

22 A I am not sure I understand your question.

23 Q Well, would it be important to know whether or not  
24 the accident presented a significant trauma?

25 A Yes. The only problem I have with that question is



1           that we have to define what           significant trauma is.

2           Q           I mean, a significant collision between two moving  
3           forces.

4           A           Okay.

5           Q           Would that be significant to you?

6           A           Yes. That would be a factor I would consider.

7           Q           Okay. In connection with that history, Mr. McKnight  
8           did advise you that on April 29, 1983 he was in a car  
9           traveling down a road, and was struck head on in a head on  
10          accident by another car.

11          A           Yes, he did.

12          Q           Apparently by way of history, the impact was  
13          significant enough to push his car backwards?

14          A           That's what he told me.

15          Q           Doctor, I am sure you had many patients that have  
16          been involved in significant trauma where the history  
17          indicates what is commonly known as an extension flexion  
18          type trauma to the head, neck and lower back.

19          A           That is correct.

20          Q           And I suspect that you are highly skilled in  
21          biomechanics, having spent a year at the Case Western  
22          Reserve Biomechanics Lab, and I am not skilled in that  
23          area, but my understanding would be that if your auto was  
24          hit head on and comes to an abrupt halt or something to  
25          that effect, what happens to your body in the car?

1 A You just said to me: My understand is, and you  
2 ended it with a question.

3 Q I decided not to give you my understanding for fear  
4 that I may be totally wrong.

5 A You want my understanding?

6 Q What is your understanding?

7 A My understanding is that if you have an individual  
8 who is riding in a car, and the car comes to an abrupt  
9 halt as a result of a collision, he continues to move for  
10 a short period of time.

11 Q And is he put in a rather acute phase of flexion?

12 A Yes.

13 Q Okay. And does the body ever move backwards in  
14 extension?

15 A Yes. Then it will move backward in extension.

16 Q That follows immediately after the acute phase of  
17 flexion?

18 A That is correct.

19 Q And is that a fair summary of what happens to an  
20 individual, such as Mr. McKnight, in a head on collision?

21 A I would say so, yes.

22 Q Drawing from your knowledge of biomechanics as  
23 applied to orthopedics, that is pretty much what you  
24 imagined would have happened to Mr. McKnight as a result  
25 of this accident?

1 MR. MURPHY: Objection to imagination.

2 A Yes.

3 Q And in fact that's what you inferred happened when  
4 Mr. McKnight gave you a history that he was thrown around  
5 the car in the accident; would that be fair?

6 A I didn't make any inferences at the time.

7 Q Okay. Now, it would of course also be significant  
8 to you, and you did make inquiry as to whether Mr. McKnight  
9 had any problems or complaints prior to this car accident,  
10 regarding his neck and low back?

11 A Yes.

12 Q And that in this particular case, the history that  
13 was given to you was that he did not have any prior or  
14 subsequent injury to his low back?

15 A That's correct.

16 Q In that -- by way of history and all the records you  
17 have reviewed in April of 1981 he had a car accident  
18 injuring his neck and shoulders, which he did make a  
19 complete recovery from before his accident of April of '83;  
20 is that correct?

21 A Yes.

22 Q Okay. And that immediately prior to this accident  
23 of April, 1983, he had no significant neck or low back  
24 pain, and as far as he could describe it, he considered  
25 that he had an essentially normal functioning neck and low

1 back, for all practical purposes?

2 A That's what he related to me, that's correct.

3 Q And you have no reason to believe from anything  
4 that has been submitted to you, including the voluminous  
5 medical records which you have examined, your own history,  
6 which is usually very thorough, to believe otherwise than  
7 the fact that he was essentially functioning in a normal  
8 manner prior to this accident, as far as his neck and low  
9 back are concerned; isn't that true?

10 A No, that isn't true.

11 Q Okay. Why is that not true?

12 A Because I know that Mr. McKnight had evidence of  
13 degenerative disc disease in his lumbosacral spine on the  
14 day of the accident. There was narrowing and spurring.

15 I also have learned today that Mr. McKnight was a  
16 weight lifter prior to this accident, and therefore I  
17 believe that Mr. McKnight was not' totally asymptomatic or  
18 without symptoms with respect to his low back before this  
19 accident.

20 Q And you felt that he was lying to you?

21 A At the present time, I would say that, yes, he  
22 was lying to me.

23 Q Okay. Now, Mr. Murphy asked you to assume that Mr.  
24 McKnight was a weight lifter. Now, that is a very general  
25 term.

Mr. Murphy did<sup>Not</sup> ask you the amount of weight lifting. He didn't ask you the type of weight lifting he was doing, the amount of the weights on the dumbbells, or if they are dumbbells or barbells, if they're bench presses, curls, leg lifts, what have you: is that significant to you in any regard?

If he is working out with three pound weights as opposed to 60 pounds, if he is doing curls as opposed to bench presses?

A Yes.

Q If he is doing curls sitting as opposed to standing up?

A Yes.

Q Okay. So your opinions could be altered in that regard as it relates to weight lifting?

A Yes.

Q Depending on the type of weight lifting Mr. McKnight was doing prior to this accident?

A Yes.

Q And would it be fair to say that if Mr. McKnight on a three times a week basis, like many of us try to do what we call repetitions with a small amount of weights, like I myself do at home with 20 pounds to do curls or something of that nature, would that affect your opinions as it relates to the stresses on the spine?

1 MR. MURPHY: I am going to object: is that  
2 what he is going to testify to?

3 MR. PARIS: I don't know what he is going  
4 to testify to, Marty. You didn't inquire and you  
5 asked the doctor what his opinions are.

6 MR. MURPHY: All I know is that he is  
7 a weight lifter.

8 MR. PARIS: I just want to know what the  
9 doctor's opinions are.

10 MR. MURPHY: All right.

11 But I just want to sure that you are giving  
12 hypotheticals that will be supported in the  
13 evidence.

14 MR. PARIS: All I want to know is what the  
15 doctor's opinions are.

16 MR. MURPHY: All right.

17 If that's what he did -- if you are  
18 representing that's what he did, you can ask him.

19 A I couldn't make an opinion about the effect of  
20 your weight lifting on Mr. McKnight's back, so I don't  
21 understand your question.

22 Q. Okay. Certainly the amount of stress put on a  
23 spine by doing bench pressing with weights of 60, 70, 80,  
24 90, 100 pounds, is much different than doing curls with  
25 dumbbells at 15 or 20 pounds, would you not agree with that?

1 A Yes.

2 Q Okay. And you would not expect, I take it, that  
3 an individual who does such things as curls with dumbbells  
4 20 pounds or 25 pounds or less than that, would be putting  
5 the amount of stress on the spine to cause the type of  
6 degenerative disc disease in a young man in his late 20's,  
7 that you claim you saw of Mr. McKnight's spine.

8 R The answer to the first part of your question is  
9 yes, and I guess the second part is where I claim. I mean,  
10 I did see it. Maybe we are hassling over words, but I  
11 agree with what you just said.

12 Q Now, the other thing that you claim that you are  
13 basing your opinion that Mr. McKnight was symptomatic before  
14 April 29, 1983, is that you found evidence of narrowing in  
15 his L-5, S-1 disc space on the April 30, 1983 films, is  
16 that correct?

17 A In addition to spurring, yes, that's correct.

18 Q You did have a chance to review all of the medical  
19 records that Mr. Murphy provided you regarding Mr.  
20 McKnight?

21 A Yes.

22 Q You've had an opportunity to review Dr. Mae Urso's  
23 interpretation, the radiologist at Lakewood Hospital who  
24 read the films of April 30th, 1983.

25 A I suspect that I did. I don't remember the

1 doctor's name. But I will for the sake of time, assume  
2 that you are correct

3 Q Did the radiologist who read those films  
4 immediately after they were taken on April 30, 1983,  
5 interpret them as showing any narrowing of the L-5, S-1  
6 disc space?

7 A Do you have the report handy?

8 If not, I will try to find it.

9 Q Yes. That will be marked at trial, the page 10  
10 of Plaintiff's Exhibit 2-A.

11 A I don't remember the question. But the answer is  
12 that Dr Urso's impression of the radiographs obtained on  
13 April 30, 1983 was, and I quote, "Normal lumbosacral spine."

14 I don't agree with that

15 Q Fine.

16 Is there anything else, aside from those two items,  
17 which led you to believe that Mr McKnight's symptomatic  
18 prior to his accident of April 29, 1983?

19 A No

20 Q Okay You certainly haven't reviewed any medical  
21 records indicating that he was into any hospitals or  
22 doctors or chiropractors or orthopedic surgeons for any  
23 complaints of pain or any treatment between the fall of  
24 1981 and April 29, 1983?

25 A What is correct



Q. Now, there were some plane X-ray films of Mr. McKnight's neck and low back taken at the emergency room, April 30, 1983, which we just discussed, and you examined those and found narrowing of the L-5, S-1 interspace with associated spurring.

E And leaving the discrepancy between your interpretation and the radiologist's interpretation aside for the moment, what degree of narrowing did you find when you looked at those films?

10 A The same degree of narrowing as was present when I examined him in December of 1984.

12 Q Well, I guess what I am asking is, was it severe narrowing, moderate narrowing, slight narrowing?

14 A I would say that it was mild to moderate, judging from the lack of other adjectives in describing it.

16 Q Now, where does it say mild to moderate in your report?

18 A That's what I said. It does not say that, and i said that because of the lack of other adjectives.

20 In other words if it had been severe, I would have said severe.

22 So therefore I assume that it was mild to moderate.

23 Q Okay. So I am just trying to understand. If it would have been slight, you would have put slight, or is that a term you don't use?

A That's not a term that I use.

Q If it would have been severe, you would have put severe; if it wasn't severe, you wouldn't put anything?

A That is correct.

Q Okay. And if it is not severe, it could be mild or it could be moderate?

A Correct. That's why I said mild to moderate.

Q And it would be your opinion that that degree of narrowing existed for a substantial period of time prior to April 30, 1983?

A Yes.

Q And you compared those films of April 30, 1983 to the ones that you took on the day of your defense medical exam, December 4, 1984, and found there to be no change in the films over that 17 month period of time?

A Correct.

Q Doctor, isn't it true that there are many people walking around in the world today with mild to moderate narrowing of a vertebral interspace, who are capable of performing normal daily functions without pain and disability?

A Let's go back to your last question.

April of '83 to April of '84 is 12 months.

Q I am sorry.

A April to December is 20 months. It is 20 months.

1 Q I am sorry.

2 A 20 month differential.

3 A All right. So the answer is still yes, but no,  
4 or whatever I said.

5 Q There hasn't been any change in 20 months?

6 A That's correct.

7 Q Your next question was?

8 A My next question is aren't there many people walking  
9 around today in the world with narrowing of a vertebral  
10 interspace who are capable of performing normal daily

11  
12  
13 the normal daily functions, right.

14 Q And isn't it also true if an individual has some  
15 underlying lumbosacral interspace narrowing, and they get  
16 subjected to the type of flexion and extension injury  
17 that Mr. McKnight was involved in, that they frequently  
18 develop symptoms and disabilities that were not present  
19 previously?

20 A Yes, that is certainly true, and people who have  
21 had no pre-existent disease also can develop symptoms  
22 after an accident, such as Mr. McKnight had.

23 Q Exactly.

24 So that if somebody has a problem in their back,  
25 such as arthritis, but they don't experience symptoms, what

1 do we call that, a quiescent condition, is that what  
2 doctors call it, or is that what lawyers call it?

3 A. That's probably what lawyers call it, because  
4 doctors don't see patients when they are quiescent.

5 In other words, somebody comes to you because they  
6 have complaints. We'll call it quiescent.

7 Q. Okay.

8 And certainly you would agree that somebody who is  
9 subjected to the type of trauma as described to you by --  
10 in this particular case, which that trauma is superimposed  
11 on a quiescent, pre-existing narrowed L-5 disc space, that  
12 such a trauma may precipitate or at least aggravate  
13 this quiescent condition to become actively symptomatic;  
14 would you agree with that?

15 A. If you say may, then I would agree with you.

16 I believe that's what you said.

17 Q. And, Doctor, let me ask you to assume certain facts  
18 to be true for the purpose of my next question.

19 Assume that whatever degree of narrowing at the  
20 L -- lumbosacral interspace Mr. McKnight had in his low  
21 back before this accident, which you claim the April 30,  
22 1983 X-rays show, it was not at least not bothersome  
23 enough to require that he seek relief from a hospital,  
24 doctor, chiropractor, osteopath or orthopedic surgeon  
25 prior to this accident: assume that to be true.

And regardless of the years that this alleged condition may have existed before this accident, it didn't interfere with his driving long distances, putting on his pants, tying his shoes, athletics and household chores.

Assume that to be true.

Now along comes this car accident where his car is totaled after being hit head on, that he is subjected to an extension flexion injury where he immediately feels pain in his neck and later that evening pain in his low back, that the next day he goes to the emergency room to seek relief, and thereafter seeks relief from a series of physicians of various medical specialties, as well as physical therapists.

Assume further that lumbar X-rays taken in the emergency room on April 30, 1983, are read by you and interpreted by you as showing some narrowing and spurring at the L-5, S-1 level, and once again the films taken by you about a year and a half or so later show the same degree of narrowing, that is there has been no progression of the alleged lumbar arthritis.

Now assuming those facts to be true, would you at least agree that Mr. McKnight's medical care and treatment which followed this accident is directly related to and made necessary by the trauma to his neck and low back when he was hit head on by the other car on April 29, 1983?

1 MR. MURPHY: Objection.

2 And one of the reasons for my objection, in  
3 addition to a misstatement of some of the evidence  
4 that I believe is going to be in this case is that  
5 the car wasn't totaled.

6 Both front fenders were hit.

7 You said the car was totaled.

8 MR. PARIS: I am sorry. I meant to say  
9 that both front fenders were totaled.

10 MR. MURPHY: Okay. All right. You made it  
11 sound like --

12 BY MR. PARIS:

13 Q To the extent there was like \$2500 or over \$2000  
14 of damage.

15 MR. MURPHY: Two fenders were dented in,  
16 okay.

17 A Just as I said to Mr. Murphy, when he gave me  
18 as almost a convoluted a question as that, if I know for  
19 a fact that some of the things you asked me to assume are  
20 not true, do I have to assume them to be true?

21 Q What do you know not to be true? . . .

22 A You said he sustained an extension flexion  
23 injury to his lumbar spine.

24 A half an hour ago we just had a long discussion  
25 about why this particular accident was a flexion extension

1 injury.

4 Q Okay. Did I say extension flexion?

A This time, yes. Which one do you want me to  
4 assume?

E Q Let's do flexion extension.

6 A That's what occurred.

7 Q Okay.

8 A The answer is that I would believe that a certain  
9 portion of his medical treatment was necessitated by  
10 this accident.

11 Q Okay. And just to back up, I think Mr. Murphy  
12 covered this with you, it is your opinion that everything  
13 up until the time of Dr. -- up until the time of the  
14 Lakewood Hospital emergency room of October 3, 1983, up  
15 until that time, is related to the accident, and everything  
16 thereafter is not related to the accident?

17 A Again, yes and no, because what I would like to  
18 say is that I believe that the treatment that he received  
19 through May or June was related because there was a hiatus  
20 in where he had no treatment.

21 I believe that for the first eight to ten weeks  
22 after the accident, that any treatment which he received  
23 during that period of time, was related to the accident.

24 Q And that would be up until June 2nd, 1983, which  
25 was his last physical therapy visit.

1 A All right.

2 Q Is that correct?

3 A Yes.

4 Q And it is your further opinion that because there was  
5 a hiatus between -- from --

6 A June 2nd.

7 Q -- June 2nd through the end of September, a four  
8 month hiatus, that everything -- all the treatment thereafter  
9 is unrelated to this accident?

10 A Yes.

11 Q And it is also your opinion that the care and  
12 treatment prescribed by Dr. Yurick was unnecessary  
13 treatment?

14 MR. MURPHY: Objection.

15 A I didn't say that. I said that's not my opinion.

16 Q Oh.

17 A I said it was unrelated to the accident.

18 I didn't say that it was unnecessary.

19 I don't want anyone to think that I believe that  
20 Dr. Yurick provided unnecessary care.

21 Q At least we can agree that the probabilities are  
22 that Mr. McKnight needed the treatment that Dr. Yurick  
23 prescribed him.

24 MR. MURPHY: Objection.

25 A The probabilities are that in Dr. Yurick's opinion Mr



1 McKnight needed the treatment that Dr. Yurick prescribed.

2 Q Do you know Dr. Robert Yurick?

3 A Yes.

4 Q Do you know him to be -- to have a good reputation  
5 in this medical community as a physician?

6 A Yes.

7 Q And as an orthopedic surgeon?

8 A Yes.

9 Q Okay. And would it be your opinion, Doctor, that  
10 Mr. McKnight needed this treatment, which Dr. Yurick  
11 prescribed for him, before his accident of April 29, 1983?

12 A I have no opinion.

13 Q You don't have an opinion one way or another?

14 A The question doesn't make sense to me, if I understand  
15 your question, so I can't answer it.

16 It doesn't make sense.

17 Q Okay. Let me rephrase it.

18 In all probability, would you agree that Mr.  
19 McKnight needed the treatment that Dr. Yurick prescribed  
20 for him; is that correct?

21 A Yes.

22 Q Subsequent to his coming under Dr. Yurick's care.

23 Can we also agree that Mr. McKnight didn't need  
24 this treatment prior to his car accident of April 29, 1983?

25 A Yes.

1 Q Is it your opinion that the narrowing of Mr.  
2 McKnight's L-5, S-1 intervertebral space is presently  
3 causing him problems, or at least at the time of your  
4 defense medical examination?

5 A No.

6 Q But it was your opinion that the narrowing in his  
7 low back -- correct me if I am wrong, but 15 minutes ago  
8 I thought you said that it was your opinion that he was  
9 symptomatic before his car accident, because of his weight  
10 lifting, and because of the narrowing of his interspace  
11 in his low back.

12 A What I said was that I believe that he had some  
13 symptoms with respect to his low back before his automobile  
14 accident of April 29, 1983, even though he said to me he  
15 had no symptoms and I said to you that I believe that to be  
16 true because he had narrowing of the lumbosacral interspace  
17 on the day of the accident.

18 Your last question, as I understood it, was did I  
19 believe that the symptoms that he was having at the time  
20 of my examination in December of 1984, were as a result of  
21 the narrowed lumbosacral interspace, and my opinion was no.

22 And the reason for my opinion is that after having  
23 examined Mr. McKnight, I am not sure what symptoms he  
24 really has.

25 Q Okay.

1 A Okay?

2 Q Now, just let me brush up on one last thing.

3 It was not your opinion that -- let me rephrase  
4 that.

5 Prior to this accident, it is your opinion and it  
6 is still your opinion that Mr. McXnight was symptomatic  
7 with regard to his low back?

8 A That is correct.

9 Q And that would have been why, because of the  
10 narrowing of the L-5, S-1 interspace?

11 A Yes. That's the basis for my opinion.

12 In other words, maybe I can clarify it one last time,  
13 okay.

14 The narrowing of the L-5, S-1 interspace, with  
15 associated spurring, is a degenerative condition:

16 That condition is associated with symptoms, be  
17 they persistent or occasional.

18 Q Okay.

19 A That condition develops over time; therefore,  
20 because I see that condition, i believe that Mr. McKnight  
21 had symptoms sometime in the past before the accident.

22 Q But you don't believe that those symptoms existed  
23 after the accident, or -- I am sorry, you don't believe  
24 that the narrowng and the associated spurring of the L-5,  
25 S-1 interspace still produce symptoms today?

1 A I believe that the narrowing of the L-5, S-1  
2 interspace can produce symptoms today.

3 As I recall your last question, it had to do with  
4 the time I examined Mr. McKnight.

5 Q That was my next question.

6 A Okay.

7 Q You believe that they can produce symptoms as of  
8 today, and you also believe that narrowing and associated  
9 spurring caused symptoms on December 4, 1984, with regard  
10 to Mr. McKnight?

11 A And I answered you before, and I will answer again,  
12 I am not sure what symptoms Mr. McKnight really had,  
13 because I did not believe that Mr. McKnight was totally  
14 truthful with me on the date that I examined him.

15 Q Okay.

16 Well, would it make sense to you that he would be  
17 asymptomatic on December 4, 1984, at the time you examined  
18 him?

19 A I don't believe that Mr. McKnight would be  
20 asymptomatic or without symptoms at the time I examined  
21 him, absolutely not.

22 Q All right.

23 And you don't have an opinion, based upon reasonable  
24 medical certainty, as to what the basis of those symptoms  
25 that Mr. McKnight displayed on December 4, 1984, are the

result of, is that correct?

A Yes, that is correct.

Q Okay. Certainly, Doctor, he had symptoms December 4, 1984.

MR. MURPHY: Objection.

Asked and answered.

A That's correct.

Q Doctor, I am sure you have had many patients that you treat for orthopedic problems, related to trauma, and more often that not, such patients come to you with these kinds of problems -- I am sorry, the patients who do come to you with these type of problems, see you on more than one occasion, is that correct?

A Yes.

Q And you have the opportunity to follow through with their progress when you prescribe therapy or other treatment you feel their condition justifies?

A Yes.

Q And would you agree as a general proposition, that the particular doctor who has had an opportunity to follow the patient and examine the patient over a period of time, is in the best position to evaluate that particular patient's condition and the progress of that patient's condition?

A In contrast to what?

1 Q A single examination.

2 A No. Not if the single examiner has all the  
3 information which the physician who treated the patient ✓  
4 over a long period of time compiled.

5 Q Doctor --

6 MR. MURPHY: Off the record.

7 THE VIDEO OPERATOR: We are off the record.

8 (Off the record.)

9 THE VIDEO OPERATOR: Standby.

10 We are on the record.

11 BY MR. PARIS:

12 Q Doctor, it is true, and I am sure you have had  
13 experiences on many occasions where -- that when you do  
14 have the advantage of following your patients over a  
15 period of time, they present different findings on  
16 different occasions, they are not always the same; have  
17 you had that experience?

18 A Absolutely. Life would be rather boring and  
19 unrewarding, if it were.

20 Q It seems they would have days of exacerbation, or  
21 what we call bad days, or some days of remission or good  
22 days; is that correct?

23 A That's true.

24 Q And to follow a patient's progress over a period of  
25 time in that fashion is one of the advantages that you

1 get in evaluating the total picture as distinguished from  
2 a single examination, would you agree with that?

3 A Again, I would not agree with that because if I am  
4 provided with all of the information that the individual has  
5 compiled, who has followed the patient over a period of  
6 time, I am in the same position to evaluate the total  
7 picture as he is.

8 Q And on the day that you examined Mr. McKnight,  
9 one and a half years after the accident, he was still  
10 complaining of the same parts of his body that he complained  
11 about to Dr. Mulligan and Dr. Katzenmyer in 1983, and to  
12 Dr. Yurick in 1983 and '84, is that not correct?

13 A If you will just refresh my recollection, so I  
14 don't have to go through all this stuff, did he have  
15 complaints referable to his neck when he saw Dr. Yurick?

16 Q Neck to Dr. Mulligan.

17 Dr. Katzenmyer, physical therapy.

18 And Dr. Yurick notes only record neck complaints  
19 near the end of his treatment, I believe, in January of  
20 1985.

21 A Okay. Then if that is the case, then yes.

22 Q Okay.

23 So the jury doesn't lose this, he complained to  
24 you on the defense medical examination about the same  
25 parts of his body that he complained to Dr. Mulligan,

1 Katzenmyer and Yurick, is that correct?

2 A Yes.

3 Q And the areas that -- or strike that.

4 Do you agree that Mr. McKnight certainly sustained  
5 some injuries in this accident?

6 A Yes.

7  
8 from work as a traveling salesman, was reasonable and  
9 made necessary by virtue of the injuries he sustained in  
10 this accident?

11 A Yes.

12

13

14 MR. PARIS: Move to strike that.

15 BY MR. PARIS:

16 Q And is it not a fact, Doctor, that true  
17 muscle spasms of the cervical spine and/or the lumbar  
18 spine can be objective evidence of damage to the muscles,  
19 ligaments and other supporting structures?

20 A Yes.

21 Q Would you also agree that true muscle spasms  
22 which are due to ligamentus and muscular damage can be  
23 productive of pain and disability?

24 A Yes.

25 Q And isn't it true that ligaments and injuries to



1 the ligaments and muscles can be productive of pain and  
2 disability, even without accompanying muscle spasms?

3 A Yes

4 Q You would also agree, Doctor, that true muscle  
5 spasms can go into periods of remissions, where they don't  
6 appear, and then suddenly they appear at subsequent times?

7 A True muscle spasm, yes

8 Q And you have patients who come in one way who  
9 appear improve and the next visit are exhibiting spasms.  
10 is that not correct?

11 A I think I have seen it once or twice.

12 Q Doctor, can one have a normal neurological  
13 examination and still have pain and disability to injuries  
14 of the ligaments and muscles?

15 A Yes.

16 Q So then one can have an injury to the ligaments  
17 and muscles of the neck and the low back with associated  
18 pain, spasm and disability and still have a normal neurological  
19 examination?

20 A Yes.

21 Q Doctor, can you explain the term chronic from a  
22 medical standpoint for the jury?

23 I won't say it is like my cross examination, it  
24 goes on and on and on.

25 A At least you remember from time to time to time.

Chronic refers to a condition that lasts for a long time, and that long time is beyond the time that a condition would normally be expected to last.

For example, let's take an injury to the lumbar spine.

We would diagnose the condition initially as an acute lumbosacral strain. It happened right now.

That condition usually takes six, eight weeks or so to resolve itself.

If in fact there were actual findings upon which a diagnosis could be made a year later, that would be a chronic strain.

Q. Okay.

So, then, chronic really -- the definition of chronic from a medical standpoint, could that include the terminology into the indefinite future?

A. Not really, because what you are doing now is looking into a little round ball.

Q. Does chronic have the connotation of having a definite beginning and a definite ending as it relates to an injury?

A            Not necessarily.

Q. Okay. It can include the connotation of into the indefinite future?

A No. What I am trying to say, Mr. Paris, is that

injuries to a *disc*?

A. Yes.

Q. And, Doctor, even you yourself have treated patients for cervical strains resulting from car accidents, as long as seven months to a year after an accident, where on physical examination you found normal neurological findings, no true spasms, normal cervical lordosis, no areas of localized tenderness to palpation, full range of motion of the cervical spine except for perhaps 25 percent decrease in the right lateral bending, and as long as eight months after the accident, even you have prescribed rehabilitative exercises and medication consisting of Darvocet, is that not a fact?

A. That was a very long question, and the answer is that yes, I have seen people as long as seven to eight months after an accident who have had complaints, who have had the findings as you describe them in that one particular situation, unless you have got another one, and have referred them for rehabilitation exercises.

The only objection I have with the question is that the findings and the treatment may or may not be related to the accident.

Q. Well, if there were no findings, except for 25 percent decrease in right lateral bending, and everything else was merely subjective complaints, nonetheless, there

1 when I make the diagnosis of chronic, I am seeing patient  
2 at point B. At point A he sustained the injury.

3 The time interval between point A and point B is  
4 extraordinarily long, therefore it is chronic.

5 Hopefully he is going to come under my care and  
6 get better, therefore he is no longer going to have the  
7 chronic condition.

8 You are asking -- the definition as I use it for  
9 chronic is retrospective in nature, as opposed to  
10 prospective.

11 Q Certainly one can be in a position after two or  
12 three years after an accident of an injury of a soft  
13 nature, to be in a position to say whether that condition  
14 has become chronic or not.

15 A The physician?

16 Q Yes, right.

17 A Okay.

18 Q And, Doctor, would you agree that one can have  
19 pain and disability of a chronic nature from injuries to  
20 the muscles, ligaments and soft tissues of the neck and  
21 low back?

22 A Yes, in the confines of my definition of  
23 chronic.

24 Q Okay. And you would also agree, Doctor, that  
25 one can have pain and disability of a chronic nature of

1 have been at least one instance where you have referred  
4 that person for rehabilitative exercises and medication?

A Yes.

4 Q Okay. Doctor, would you agree that one who has  
5 some narrowing at the L-5, S-1 disc space is more  
6 susceptible to a low back injury from a car accident, than  
7 one with a normal type of interspace?

8 A Yes.

9 Q And the more susceptibility to injury we are  
10 talking about, includes injuries to the muscles and  
11 ligaments?

12 A Yes.

13 Q Does that also include susceptibility to injuries to  
14 the disc?

15 A Yes.

16 Q And, Doctor, there are some kinds of tests that help  
17 us to refine or help physicians to refine or distinguish  
18 diagnoses between lumbar sprains and herniated lumbar disc:  
19 such as myelograms and CAT scans, is that correct?

20 A Yes.

21 Q And you can see things on those type of films that  
22 you can't see on the ordinary X-ray pictures?

23 A Yes.

24 Q Now, do you know Dr. Wilfrid Gill of Puget Sound & Gill:

25 A No.

1 Q Do you know Dr. Charlie Doyle?

2 A No.

3 Q You don't know Charles Doyle?

4 A If I knew Charles Doyle, I would tell you I knew  
5 Charles Doyle. Does Dr. Doyle know me?

6 Q Sure, I am surprised.

7 Dr. Doyle is on the Board of Directors of the  
8 P.I.E. I mention that because Mr. Murphy examined Dr.  
9 Doyle in that regard, and you yourself are a member of the  
10 P.I.E. Insurance Company.

11 A No.

12 Q Okay?

13 MR. MURPHY: They can both be insured by  
14 Nationwide, and I am not sure that I know somebody.

15 BY MR. PARIS:

16 Q Doctor, you never undertook a residency program  
17 in radiology, is that correct?

-1

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23  
24  
25

A. That is ,correct.

Q. You don't partake in a 50 hour per year continuing education course in radiology, is that correct?

A. That's correct.

Q. In fact, you don't have radiological equipment here in your office, but rather, you rely on your neighbors, Dr. Krause & Lubert for that?

A. That's correct.

Q. You don't have any type of board certification in radiology?

A. That's correct.

Q. You are not a member of any radiological associations or societies?

A. That's correct.

Q. You don't have any staff or courtesy privileges at any hospitals in regards to their radiology department?

A. That is **also** correct.

P. You don't hold yourself out to the public as having a specialty in radiology, correct?

A. No, I don't.

Q. And certainly it is fair to say that your peers, other physicians in the community, do not look to you as an authority in radiology?

4. That's not necessarily true.

Q. Okay. The PIE has peer reviews, for instance; have you ever participated in any peer reviews?

A. Yes.

Q. Have you ever participated in any peer reviews as it relates to radiology?

A. Yes.

Q. Okay. Now finally Doctor, you do acknowledge that your interpretation of the lumbosacral films of Mr. McKnight taken on April 30th, 1983, differ from that of the radiologist Dr. Mae Urso, and that he or she read them as normal, and you read them as showing narrowing and spurring at the L5-S1 interspace?

A. Absolutely.

Q. And of course, I take it you had an opportunity to read Dr. Doyle's deposition?

A. Yes.

Q. And to review his report?

A. No.

Q. Mr. Murphy didn't --

A. If I did, I have no independent recollection of his report.

Q. And of course, Doctor, you do acknowledge that your interpretation of the lumbar CT scan of Mr. McKnight differs from that of Dr. Doyle?

A. Yes.



t3

Q And did you have a chance to read Dr. Wilfred Hill's x-ray interpretation of that CATscan?

A A long time ago, yes.

Q And do you remember what his diagnosis was, and interpretation?

A No, but I can find it, or you can find it.

Q I have it here for you, Plaintiff's Exhibit 5.

A Yes, I see what it is.

Q And, of course, you disagree with this radiologist's interpretation, as well as Dr. Doyle's?

A Yes.

Q And did you have a chance to read Dr. Yurick's report and/or deposition?

A I certainly read Dr. Yurick's report, but I don't recall whether I read his deposition or not.

Q In any event, Dr. Yurick, besides Dr. Hill and Dr. Doyle, looked at the scan and interpreted it as a herniated disc, do you recall that in his report?

MR. MURPHY: Objection.

Q Do you recall that in his report?

A No, I don't have an independent recollection of that.

MR. MURPHY: It is not herniated.

Q On your own defense medical report, I think you characterized Dr. Yurick's notes.

A What I said in my own defense medical report --

t 4

1 Q At the top of page two.

2 A And **it** was a quote from Dr. Yurick, and I quote,  
3 CATscan does definitely show some evidence of a herniated  
4 disc. Of interest, he has improved.

5 Q Okay, and you definitely disagree with Dr. Yurick's  
6 interpretation of the CT scan?

7 A Yes.

8 Q Okay.

9 A I stand alone.

10 MR. PARIS: Off the record a moment.

11 THE VIDEO OPERATOR: ~~We~~ are off the record.

12 (Off the record.)

13 THE VIDEO OPERATOR: Stand by. We are on the  
14 record.

15 BY MR. PARIS:

16 Q Doctor, earlier you were talking about -- to Mr. Murph  
17 about your Burns Test and how **it** was positive, and in des-  
18 cribing that, I believe you indicated that you have an  
19 individual kneel on a chair without arms, and his body is  
20 parallel to the chair.

21 A To the back of the chair, that's correct.

22 Q Parallel to the back of the chair, or perpendicular  
23 to the back of the chair?

24 A You are sitting in the same chair that the Burns Test  
25 is performed on.

Q. Okay.

A. Now you are sitting in it with your back against the chair, okay?

Now, imagine rotating your body 90 degrees, so that your head is facing where there would be arms, if there were arms, and your buttocks where there would be feet.

Q. Now, my understanding of your description of the Burns Test is that a person leans back with their buttocks to their heels?

A. That's correct.

Q. Then you ask them to lean forward, and if they can touch the floor, without falling, still remaining in the chair, then they probably have back problems, but if they can't touch the floor, then they don't have back problems?

A. No. You don't understand the test.

Q. Okay.

A. I have seen people in my office who have back problems including myself, who can perform the Burns Test such that they can touch the floor.

What I was saying in trying to explain the Burns Test is that Mr. McKnight's inability to touch the floor, or more importantly, his unwillingness to touch the floor, indicated to me that he was not demonstrating the complete range of lumbosacral motion that he was capable of performing.

Q. Have you ever had patients tell you, I am afraid to

do this test, and I am unwilling to do it?

A. I have never had a patient verbalize that to me, nor did Mr. McKnight verbalize that to me.

Q. Have you ever supposed a psychological aspect to that test, that individuals who have had low back pain for a few years, are protective of their back and tend not to put themselves in positions of instability, perhaps, where they could reinjure or aggravate that low back pain?

MR. MURPHY: Objection.

A. That test is designed to bring out psychological aspects of low back pain.

In fact, there is an article that I can refer you to, that has to do with the historical aspects of low back pain and the use of the Burns Test in evaluating that.

Q. Okay. At least that is a consideration.

I take it you have considered that some individuals, who are overprotective of some low back pain, do not perform the Burns Test in such a manner so that it is negative?

A. No, that was not what I was saying, and I have no recollection of seeing a patient who was indicating to me that they were being protective of their back..

Q. All I am asking is if you ever supposed that in your own mind?

No, I don't suppose it. If you are asking me what I suppose, when I see an individual who has a positive Burns

Test, I suppose either they are trying to put me on, they are trying to exaggerate their disability, or they have a certain degree of unconscious emotional overlay.

Q. Okay. Either they are consciously or unconsciously trying to protect their back, or they are consciously or unconsciously trying to fool you; is it the former, or the latter?

A. It is the latter.

Q. Now on this Burns Test, when you are kneeling on a chair, and *you* are leaning over in an attempt to touch the floor -- I am trying to understand what your expectation is; do you expect, or are you looking for individuals to touch their fingertips to the floor, or rest their palms against the floor?

A. Touch their fingertips to the floor.

Q. And do you use the same chair on all your patients?

A. Well, I have a comparable chair in one room.

Q. Same sized chair?

A. Same sized chair.

Q. Do you take in consideration the size of the patient?

A. It really doesn't matter, okay?

Q. The size of their arms, the length of their arms?

A. Look. Mr. McKnight was an average patient, okay?

And I don't remember his exact height, but that should not have been a consideration.

1 If he were a dwarf, then I might have got him a  
2 shorter chair.

3 Q. Okay. I didn't mean to upset you, Doctor.

4 MR. MURPHY: Object.

5 Move that comment be stricken.

6 BY MR. PARIS:

7 Q. Now, one can kneel in the chair and touch their  
8 fingertips to the floor, and still remain in a stable  
9 position on the chair during this Burns Test?

10 A. Yes.

11 Q. Now you indicated there was a discrepancy in the  
12 straight leg raising --

13 A. Yes.

14 Q. -- between laying down and sitting?

15 A. Yes.

16 Q. When, of course, somebody is lying down, their back  
17 is straight against the -- what do you call that, the table,  
18 the examining table?

19 A. Yes.

20 Q. And you are able to measure the angle that the person  
21 is raising their leg, is that correct? --

22 A. Yes.

23 Q. And so if somebody is lying down and you can raise  
24 their leg to 90 degrees, you can see that it is 90 degrees,  
25 because their back is resting against the examining table?

A That is correct.

Q Okay. If somebody is sitting down doing the straight leg raising, are they sitting on the edge of your examining table?

A Yes.

Q Is their back against the wall?

A No.

Q Their back can't be against the wall, otherwise their legs couldn't hang over the edge of the table, is that correct?

A Unless they have very long legs, that's correct.

Q And what you are interested in in a straight leg raising test is a true angle, correct?

A That is correct.

Q And if the back is bent, or a person is bent at the pelvis area with their back -- if they are leaning back, you may not get a true angle of 90 degrees, if the leg is brought up level to the floor, is that correct?

A That's not correct.

Let me explain it this way, so that maybe we can all go home.

The angle that is being measured is not the angle between their back and their legs.

The angle that is being measured is the angle between their dorsal, the front of their chest and their leg, so that

t10

angle can be measured whether they are sitting or whether they are lying down. It makes no difference, okay?

if an individual is sitting on the edge of the examining table, and they lean backwards, okay, therefore the angle can still be measured, all right? To obviate or to prevent any errors in this kind of measurement, I specifically ask the individual when he is sitting on the edge of the examining table to rest his hands on the examining table, so that he is sitting upright.

Therefore, I could measure the angle between his thighs and his body.

If you were to lean back, I could still make the same measurement.

Q. You indicated that the most common cause of problems -- strike that.

You indicated that, I think, the most common causes of narrowing is degenerative disc disease, infection, post-surgical changes after a laminectomy, or congenital narrowing; and we are talking about narrowing of such as the L5-S1 disc space?

A. Yes.

Q. What about if a disc is compromised, could that lead to narrowing of a disc space?

A. What do you mean by compromised?

A. Comprised being either herniated, extruded -- either



herniated or extruded?

97

A No. I don't believe that with a herniated disc, per se, that the disc narrows, the disc space narrows.

Q You qualified that by saying herniated per se.

A Yes.

Q Are there other circumstances in a herniation, in a bulge or something of that nature, where the disc is compromised and narrowing can occur?

A Well, that's why I asked you to define compromised.

11 A degenerated disc is compromised. It is not normal.

12 Q I take it that there is room for disagreement between  
13 orthopedic surgeons in that regard?

14 A No, I don't believe in that regard that there is any  
15 disagreement.

16 Q Now, you defined discs -- as the least deformity  
17 being a bulge, the next one being a protrusion, next up  
18 the ladder, extrusion, and lastly a herniation?

19 A That's correct.

20 Q You read Dr. Doyle's deposition; do you find that  
21 he used the same type of terminology and definitions?

22 A I believe Dr. Doyle intermixed the words protrusion  
23 and extrusion, or interchanged.

24 Q I thought he indicated that a herniation was a  
25 categorical term to be used for protrusion and extrusion;  
extrusion being the worst, and protrusion being less so,

but both being herniation.

A Well -- ,

Q I wonder if we are just getting into a semantic difference here?

A I think many of the differences we've had today are semantic, but I will be glad to refresh my recollection and reread Dr. Doyle's deposition.

I think it is vitally important to understand the difference between a bulge, a protrusion and extrusion and a herniation, in terms of what is going on anatomically with respect to the patient in his resultant symptoms.

Q And Dr. Gill's interpretation as an extrusion is definitely wrong, according to you?

A Yes.

E Dr. Doyle's definition of a herniation is definitely wrong, according to you?

MR. MURPHY: Objection.

A Yes.

Q Okay. Did you measure the abnormality of the disc that you saw on the CATscan?

A No.

Q Why is that?

A Because that would not be a real measurement.

There is magnification, when you produce these films, so I have no idea of what the real measurement is.

1 Q. You indicated that a protrusion is five millimeters  
2 or larger, and --that is abnormal, correct?

3 a Yes.

4 Q And did you measure the abnormality on this film?

5 a No, I said I did not.

6 Q Finally Doctor, I think you indicated that one of  
7 the primary reasons that you don't believe that any of  
8 Dr. Yurick's care and treatment that occurred to Mr. McKnight  
9 is unrelated to this accident is because there was a gap in  
10 treatment, medical treatment by Mr. McKnight over the summer  
11 of 1983, is that true?

12 A Yes.

13 Q Would it change your opinion to any extent whether or  
14 not Mr. McKnight was still symptomatic, still had pain and  
15 problems, but only treated himself at home over the summer;  
16 would that alter your opinions to any degree?

17 A No, it would not.

18 Q The fact that -- if I asked you to assume that  
19 Mr. McKnight was still experiencing low back pain over the  
20 course of the summer of 1983, and he was still experiencing  
21 intermittent neck pain, and he would utilize heat at home,  
22 his wife at the time would massage his low back and that he  
23 would restrict his activities, and that he would occasionally  
24 engage in swimming and some type of stretching or Williams'  
25 type flexion exercises that he was instructed upon at

physical therapy, that would not alter your opinions in  
any regard?

A. That's correct, it would not.

Q. Why is that, Doctor?

A. Because I believe, considering what I feel are the  
injuries that Mr. McKnight sustained, cervical and lumbo-  
sacral strain, that he had recovered from those specific  
injuries within the eight to ten week time frame that we  
were talking about earlier.

Q. Notwithstanding the history that Dr. Yurick had, that  
he was still symptomatic over the course of the summer, is  
that correct?

A. Notwithstanding that history, that is correct.

MR. PARIS: Okay. Thank you, Doctor.

I have nothing further.

THE WITNESS: You are welcome.

MR. MURPHY: Can we go off the record  
just for a moment?

THE VIDEO OPERATOR: We are off the record.

(Off the record.)

THE VIDEO OPERATOR: Stand by. We are on  
the record.

- - -

## REDIRECT EXAMINATION

BY MR. MURPHY:

Q. Doctor, is it still your opinion that the CT scans that you reviewed in this case did not disclose any disc abnormality at the level of L5-S1, in this patient?

A Do not disclose any disc abnormalty, yes, that is still my opinion.

Q. All right.

And could you show us the film marked Plaintiff's Exhibit 17, is it, David?

MR. PARIS: Yes.

Q. All right.

'The finding that Dr. Doyle and Dr. Gill refer to as an extrusion or a bulge or a protrusion --

A Yes.

Q. -- can you show us that?

A This very white area represents the top of the sacrum, the S1.

They are referring to this cloudy, if you will, material in through right here, and that is what they are referring to as an abnormality.

Q. All right.

And do you have an opinion, based upon reasonable medical certainty, as to what that condition that's portrayed only on slice four is?

1 A Yes.

2 Q What is that?

3 A I believe that that condition that is portrayed on  
4 that slice is what we refer to as an artifact, or is as a  
5 result of the procedure, and rather than a real anatomical  
6 abnormality of any clinical significance.

7 Q All right.

8 And is that abnormality -- strike that.

9 Is that projection indicated on any of the other  
10 films?

11 A No.

12 Q Other than four?

13 A No, it is not.

14 Q It only appears then on one slice?

15 A That is correct.

16 Q And so to clarify everything regarding your opinion  
17 in this case, do you have an opinion, based upon reasonable  
18 medical certainty as to whether or not Mr. McKnight has  
19 first of all a herniated disc?

20 A Yes, I have an opinion.

21 Q What is the opinion? ---

22 A He does not have a herniated disc.

23 Q So you have an opinion based upon reasonable medical  
24 certainty as to whether Mr. McKnight has an extruded disc?

25 A Yes, I have an opinion..

1 Q. What is your opinion?

2 A. He does not have an extruded disc.

3 Q. And do you have an opinion, based upon reasonable  
4 medical certainty, as to whether Mr. McKnight has a protrude6  
5 disc?

6 A. Yes, I have an opinion.

7 Q. And what is your opinion?

8 A. That he does not have a protruded disc.

9 Q. Do you have an opinior, based upon reasonable medical  
10 certainty as to whether Mr. McKnight has a bulge?

11 A. Yes, I have an opinion.

12 Q. An6 what is your opinion regarding that?

13 A. That is he has a bulge, it is of no clinical signi-  
14 ficance.

15 Q. Okay. Thank you, Doctor.

16 You can turn off the shadow box. I just have a few  
17 more questions.

18 Now, with regard to chronic conditions, did you find  
19 that Mr. McKnight, based upon reasonable medical certainty,  
20 had any chronic condition whatsoever as a result of the  
21 accident of April 29th, 1983?

22 A. No, I did not.

23 Q. And with regard to your examination, I understand  
24 that the test that you performed, called the Burns Test,  
25 is something that you usually use in examinations of this

nature?

4 A. I use **it** in exaninations of all nature.

Q. Of all nature, okay, whether you are treating the  
4 patient or whether you are examining the patient of another  
5 physician?

6 A. That is correct, or examining for the plaintiff.

7 Q. All right.

8 Do you do some of that?

9 A. Yes.

10 Q. As a matter of fact, have you ever done any examina-  
11 tions for the Nurenberg, Plevin firm, Mr. Paris' office?

12 A. Yes.

13 Q. oh, you have.

14 Somebody there has engaged you to do some work there?

15 A. Yes.

16 Q. Oh, I see.

17 And with regard to this type of examination, the  
18 Burns Test, this is **something** that is routine and a part of  
19 every examination you do?

20 A. Well, **it** is routine --

21 Q. Almost every?

22 A. -- **it** is routine in a part of the examinations that  
23 I do with respect to the lumbosacral spine, and in parti-  
24 cular when I suspect that the individual is not demon-  
25 strating his full range of capacity.



For example, if you came into my office, or even Mr. Paris, complaining of back pain and I asked you to bend forward and touch your toes, there would be no reason to perform the Burns Test, because that shows that you have normal motion in the back.

Q. I see.

So the Burns Test, which tells you if there is an emotional or psychological reason, or if the patient is actually malingering and faking, is something that you have to know as an examiner in every case where that comes up?

A. That is correct.

Q. And the other test you talked about, the straight leg raising, the difference in the supine and the sitting straight leg raising also goes along in that fashion, when the findings are such as in the McKnight case?

A. Yes.

Q. And in addition, I think in the McKnight case, there was a weakness in the toe. I forget how you described that now, without going back through the record, but weakness to resistance, did not give gradually, it gave instantly, I think.

A. That's correct.

Q. And that's also the same general type of finding?

A. Yes.

Q. That leads you to believe that the patient is not

giving you --

MR. PARIS:                   Objection to the leading  
nature of the question.

MR. MURPHY:                You are right, I am  
leading. I take that back.

BY MR. MURPHY:

Q.       What is your finding when you have those types of  
results?

A       Either that the individual is attempting to exaggerate  
or that there is an unconscious emotional component with  
illness which can be akin to hysteria.

Q.       And that's the reason why you stated, I believe, in  
cross-examination, that you are unsure what symptoms --

MR. PARIS:                   Objection.   Leading.

Q.       (Continuing) -- that this patient had?

A       Yes, that is correct.

MR. MURPHY:                Thank you very much, Doctor  
I have no further questions.

- - -

#### RECROSS-EXAMINATION

BY MR. PARIS:

Q.       Just to clear it up, Doctor, it is -- you are cer-  
tainly not saying -- calling Mr. McKnight a malingerer, are  
you?

A       I don't believe that Mr. McKnight is being truthful.

1           To me, malingering is a conscious attempt on an  
2 individual to fool someone else.

3           What I am saying to you is, I don't know whether  
4 Mr. McKnight's behavior is conscious or unconscious.

5 Q       Okay. Is that to say that you believe he is a  
6 malingerer, or you just don't know?

7 A       I believe I don't know whether he is doing this  
8 consciously, ergo, I don't know whether he is a malingerer.

9           I do know that he presents with a number of physical  
10 findings that have no anatomic basis, which makes me believe  
11 that either he is a malingerer or he has some kind of  
12 psychiatric problem that's causing him to perform as he is.

13 Q       But he also has ~~a~~ symptoms, which he described to you,  
14 which is consistent with the type of accident he had, is that  
15 riot correct?

16 A       That is certainly correct, that the symptoms that  
17 he described are consistent with the accident that he had  
18 a .longtime ago.

19                   MR. PARIS:           Okay. That's all I wanted  
20 to know.

21                   Thanks, Doctor. I don't have-anything else.

22                   MR. MURPHY:        I don't have anything  
23 further, Doctor.

24                   Can I ask you, though, this one final thing;  
25 You have a right, as you know, to review the

tape and transcript when they are done, and sign the transcript and approve the videotape; do you waive your right to do those?

THE WITNESS: Yes, I will waive that.

MR. MURPHY: Thank you, Doctor.

THE VIDEO OPERATOR: Can we have an agreement by counsel to waive the filing requirement of the videotape?

MR. PARIS: Yes.

MR. MURPHY: I think we did that at the beginning.

THE VIDEO OPERATOR: We are off the record.

- - -

(DEPOSITION CONCLUDED)

(SIGNATURE WAIVED)

- - -

15

16

18

20

22

23

24

25

## CERTIFICATE


State of Ohio:,                    )  
  ) §§:  
County of Cuyahoga.            )

I, Robert A. Cangemi, a Notary Public within and for the State of Ohio, duly commissioned and qualified, do hereby certify that the within-named witness, DENNIS B. BROOKS, M.D. was by me first duly sworn to testify the truth, the whole truth and nothing but the truth in the cause aforesaid; that the testimony then given by him was by me reduced to stenotype in the presence of said witness, afterwards transcribed upon a typewriter, and that the foregoing is a true and correct transcript of the testimony so given by him as aforesaid.

I do further certify that this deposition was taken at the time and place in the foregoing caption specified, and was completed without adjournment.

I do further certify that I am not a relative, employee or attorney of either party, or otherwise interested in the event of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, on this 16th day of April, 1986.

  
\_\_\_\_\_  
Robert A. Cangemi, Notary Public  
in and for the State of Ohio.

My commission expires March 5, 1991.