

1 STATE OF OHIO)
 2 MAHONING COUNTY) SS: IN THE COURT OF COMMON PLEAS

3
 4 CASE NO. 95 CV 499

5
 6 WILLIE BLUE, ET AL)
 7 Plaintiffs) DEPOSITION
 8 VS.) OF
 9 CHESTER BROWN) DENNIS B. BROOKS, M.D.
 10 Defendant)

11
 12 DEPOSITION taken before me, Mary J. Carney, a Notary
 13 Public within and for the State of Ohio, on the 15th Day of
 14 January, A.D., 1997, pursuant to agreement and at the time
 15 and place therein specified, to be used pursuant to the
 16 Rules of Civil Procedure or by agreement of counsel in the
 17 above cause of action, pending in the Court of Common Pleas,
 18 within and for the County of Mahoning State of Ohio.

19
 20
 21
 22
 23
 24
 25
 COPY

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

APPEARANCES

On Behalf of Plaintiffs:

Matthew T. Fekete, Attorney at Law
725 Boardman-Canfield Road, Unit L-1
Youngstown, OH 44512

On Behalf of Defendant:

Lawrence R. Springer, Attorney at Law
Comstock, Springer & Wilson
926 City Centre One Building
Youngstown, OH 44503

INDEX

DIRECT EXAMINATION BY MR. SPRINGER - PAGE 5

CROSS EXAMINATION BY MR. FEKETE - PAGE 63

REDIRECT EXAMINATION BY MR. SPRINGER - PAGE 107

RECROSS EXAMINATION BY MR. FEKETE - PAGE 111

OBJECTIONS AND MOTIONS:

BY MR. FEKETE: PAGE(S) 17, 18, 19, 27, 34, 35, 37, 39, 41,
42, 48, 49, 51, 52, 54, 55, 56, 57,
58, 59, 62, 63, 109, 110, 111

BY MR. SPRINGER: PAGE(S) 83, 84, 85

EXHIBITS INTRODUCED: (NONE)

KEYWORD INDEX - DENNIS B. BROOKS

\$	2	51:11, 52:17, 54:20, 60:8, 61:13, 96:9, 104:13 90 26:24, 27:5, 27:16, 50:6, 100:1 90-degree 28:1, 28:5 92 43:15 926 2:10 93 19:25, 56:15 95 1:4, 29:24, 30:14 96 20:1 9th 15:19, 21:21, 33:16, 36:3, 37:4, 39:14, 51:14, 53:7, 53:10, 53:18, 53:23, 54:5, 59:7	55:1, 55:20, 55:23, 56:1, 56:3, 56:14, 60:8, 60:13, 60:23, 61:13, 64:9, 64:12, 64:15, 74:3, 74:14, 74:22, 75:7, 79:16, 80:17, 88:23, 93:12, 96:9, 102:21, 103:6, 103:9, 104:13, 104:17 accidents 40:12, 43:17, 81:18, 108:5 accompanied 27:2, 27:7 accomplished 26:8, 26:24, 27:5, 27:10, 50:6, 50:8, 50:11 accurate 17:18, 45:21, 108:22, 109:7, 109:11 achieved 6:8 achilles 29:10, 50:15, 95:8, 95:11 aching 20:19, 21:1 across 20:5 acted 22:15 action 1:17, 4:20, 63:22, 115:15 active 9:21, 108:1 activities 20:8, 20:19 actual 76:4, 109:11 acute 86:6, 102:9, 102:12, 102:18 addition 9:21, 12:2 additional 16:21, 21:8, 40:12, 106:2, 106:10 address 5:11, 5:13, 73:3 addressed 72:20, 72:22, 72:25, 73:2, 73:16 adjacent 85:16 adjusting 41:2 admitted 40:3, 54:4 adolescence 17:15, 32:5 advanced 55:2, 55:23 Advil 44:10 aforesaid 4:20, 115:5 age 5:3, 23:11, 44:21 aging 53:5 agree 76:25, 78:11, 80:3, 80:5, 81:20, 86:20, 94:10, 94:14, 95:25, 96:4, 96:17, 98:24, 98:25, 102:8, 102:23,	agreed 4:7, 4:12 agreement 1:14, 1:16, 4:18, 115:10 ahead 19:11, 24:22, 28:23, 44:6, 54:17, 58:16, 80:14, 80:14 AL 1:6 alignment 29:17 alleged 113:2 alleviate 87:16, 88:5 alleviation 87:24 alley 22:13 allowed 70:10 allowing 64:3, 70:2 allows 28:7 ally 22:13 already 22:1, 67:10, 92:24, 97:17, 101:2 ambulance 16:6, 40:2 ambulating 40:6 American 9:7, 10:11, 10:23, 11:22 amount 78:19, 105:9, 105:13, 111:1 and/or 44:2, 68:11, 68:19 angle 28:1, 28:5 answered 22:1 anterior 27:7 anterolateral 21:2 anticipate 37:24 anticipated 28:20 anymore 65:3 anything's 83:22 apologize 72:14, 72:19 apparent 33:24 apparently 30:10, 30:11, 59:22 appear 75:4 APPEARANCES 2:3 appeared 34:8 appears 32:5 application 7:11, 48:5 applied 16:18, 85:9, 98:16 apply 71:25, 83:14, 98:17 Applying 25:2 appointment 107:18 approximate 69:6 approximately 15:19, 16:10, 16:17, 16:24, 19:17, 20:24, 23:11, 40:8, 41:11, 44:21, 56:14, 61:8, 69:4 April 35:4, 35:5, 35:10, 53:22, 53:22, 59:3, 59:7 Area-Wide 10:5 areas 6:1, 7:15, 20:6, 22:6, 23:4, 24:9,	48:6 aren't 79:2, 82:9, 94:21, 95:12 arm 20:21, 43:2, 75:18 arthritic 52:21 arthritis 52:10, 55:2, 55:24 Arts 6:10 asking 22:3, 22:4, 30:4, 46:19, 83:4, 83:5, 97:25, 112:25 asks 13:3 aspect 21:2, 40:15, 42:16, 91:9 assistant 10:1 associate 7:5 associated 9:2, 20:9, 20:12, 20:20, 20:21, 43:2 assume 9:11, 44:14, 92:12, 106:12, 108:19, 108:23, 109:2, 109:6, 109:6 assumed 109:10 assuming 113:2 asymmetry 111:15 atrophy 29:20, 30:6, 47:1 attached 32:18, 32:19 attaches 32:9 attachments 79:4, 79:7 attempt 49:13 attempted 29:23, 42:18, 49:8 attempting 48:22, 62:24, 63:11 attended 6:8, 6:9, 6:13 attention 42:17 attorney 2:5, 2:9, 115:14 attributable 38:7, 38:11 attribute 45:11 August 12:20, 39:4, 64:7, 65:1
		A			
1	3	A.D 1:14, 115:19 ability 91:5 able 23:15, 25:7, 27:14, 27:25, 28:10, 30:20, 32:13, 44:25 abnormal 30:9, 30:10, 31:3, 31:17, 31:18, 32:25, 45:8, 92:1, 92:3, 92:13, 93:24, 94:1, 94:13, 95:20, 95:23, 100:14, 110:7, 110:15, 110:16, 110:25, 111:24 abnormality 95:5 above-entitled 114:10 above-named 115:6 absence 24:6, 111:9 absent 24:4, 29:9, 29:11, 50:14 Absolutely 37:8, 73:6, 96:6 absorb 80:21 Academy 9:8 acceleration 82:11 acceleration-dec 82:10 accept 97:2, 97:3 accepted 96:23 accident 15:22, 16:10, 16:13, 17:1, 19:25, 21:12, 21:18, 22:12, 29:3, 31:24, 32:1, 34:10, 35:23, 36:3, 36:25, 37:4, 37:12, 38:7, 38:12, 38:12, 39:18, 39:23, 40:1, 40:8, 40:10, 43:3, 43:12, 51:11, 51:14, 52:13, 52:17, 53:5, 53:7, 53:10, 54:8,	55:1, 55:20, 55:23, 56:1, 56:3, 56:14, 60:8, 60:13, 60:23, 61:13, 64:9, 64:12, 64:15, 74:3, 74:14, 74:22, 75:7, 79:16, 80:17, 88:23, 93:12, 96:9, 102:21, 103:6, 103:9, 104:13, 104:17 accidents 40:12, 43:17, 81:18, 108:5 accompanied 27:2, 27:7 accomplished 26:8, 26:24, 27:5, 27:10, 50:6, 50:8, 50:11 accurate 17:18, 45:21, 108:22, 109:7, 109:11 achieved 6:8 achilles 29:10, 50:15, 95:8, 95:11 aching 20:19, 21:1 across 20:5 acted 22:15 action 1:17, 4:20, 63:22, 115:15 active 9:21, 108:1 activities 20:8, 20:19 actual 76:4, 109:11 acute 86:6, 102:9, 102:12, 102:18 addition 9:21, 12:2 additional 16:21, 21:8, 40:12, 106:2, 106:10 address 5:11, 5:13, 73:3 addressed 72:20, 72:22, 72:25, 73:2, 73:16 adjacent 85:16 adjusting 41:2 admitted 40:3, 54:4 adolescence 17:15, 32:5 advanced 55:2, 55:23 Advil 44:10 aforesaid 4:20, 115:5 age 5:3, 23:11, 44:21 aging 53:5 agree 76:25, 78:11, 80:3, 80:5, 81:20, 86:20, 94:10, 94:14, 95:25, 96:4, 96:17, 98:24, 98:25, 102:8, 102:23,	agreed 4:7, 4:12 agreement 1:14, 1:16, 4:18, 115:10 ahead 19:11, 24:22, 28:23, 44:6, 54:17, 58:16, 80:14, 80:14 AL 1:6 alignment 29:17 alleged 113:2 alleviate 87:16, 88:5 alleviation 87:24 alley 22:13 allowed 70:10 allowing 64:3, 70:2 allows 28:7 ally 22:13 already 22:1, 67:10, 92:24, 97:17, 101:2 ambulance 16:6, 40:2 ambulating 40:6 American 9:7, 10:11, 10:23, 11:22 amount 78:19, 105:9, 105:13, 111:1 and/or 44:2, 68:11, 68:19 angle 28:1, 28:5 answered 22:1 anterior 27:7 anterolateral 21:2 anticipate 37:24 anticipated 28:20 anymore 65:3 anything's 83:22 apologize 72:14, 72:19 apparent 33:24 apparently 30:10, 30:11, 59:22 appear 75:4 APPEARANCES 2:3 appeared 34:8 appears 32:5 application 7:11, 48:5 applied 16:18, 85:9, 98:16 apply 71:25, 83:14, 98:17 Applying 25:2 appointment 107:18 approximate 69:6 approximately 15:19, 16:10, 16:17, 16:24, 19:17, 20:24, 23:11, 40:8, 41:11, 44:21, 56:14, 61:8, 69:4 April 35:4, 35:5, 35:10, 53:22, 53:22, 59:3, 59:7 Area-Wide 10:5 areas 6:1, 7:15, 20:6, 22:6, 23:4, 24:9,	48:6 aren't 79:2, 82:9, 94:21, 95:12 arm 20:21, 43:2, 75:18 arthritic 52:21 arthritis 52:10, 55:2, 55:24 Arts 6:10 asking 22:3, 22:4, 30:4, 46:19, 83:4, 83:5, 97:25, 112:25 asks 13:3 aspect 21:2, 40:15, 42:16, 91:9 assistant 10:1 associate 7:5 associated 9:2, 20:9, 20:12, 20:20, 20:21, 43:2 assume 9:11, 44:14, 92:12, 106:12, 108:19, 108:23, 109:2, 109:6, 109:6 assumed 109:10 assuming 113:2 asymmetry 111:15 atrophy 29:20, 30:6, 47:1 attached 32:18, 32:19 attaches 32:9 attachments 79:4, 79:7 attempt 49:13 attempted 29:23, 42:18, 49:8 attempting 48:22, 62:24, 63:11 attended 6:8, 6:9, 6:13 attention 42:17 attorney 2:5, 2:9, 115:14 attributable 38:7, 38:11 attribute 45:11 August 12:20, 39:4, 64:7, 65:1
		A			
1	4	A.D 1:14, 115:19 ability 91:5 able 23:15, 25:7, 27:14, 27:25, 28:10, 30:20, 32:13, 44:25 abnormal 30:9, 30:10, 31:3, 31:17, 31:18, 32:25, 45:8, 92:1, 92:3, 92:13, 93:24, 94:1, 94:13, 95:20, 95:23, 100:14, 110:7, 110:15, 110:16, 110:25, 111:24 abnormality 95:5 above-entitled 114:10 above-named 115:6 absence 24:6, 111:9 absent 24:4, 29:9, 29:11, 50:14 Absolutely 37:8, 73:6, 96:6 absorb 80:21 Academy 9:8 acceleration 82:11 acceleration-dec 82:10 accept 97:2, 97:3 accepted 96:23 accident 15:22, 16:10, 16:13, 17:1, 19:25, 21:12, 21:18, 22:12, 29:3, 31:24, 32:1, 34:10, 35:23, 36:3, 36:25, 37:4, 37:12, 38:7, 38:12, 38:12, 39:18, 39:23, 40:1, 40:8, 40:10, 43:3, 43:12, 51:11, 51:14, 52:13, 52:17, 53:5, 53:7, 53:10, 54:8,	55:1, 55:20, 55:23, 56:1, 56:3, 56:14, 60:8, 60:13, 60:23, 61:13, 64:9, 64:12, 64:15, 74:3, 74:14, 74:22, 75:7, 79:16, 80:17, 88:23, 93:12, 96:9, 102:21, 103:6, 103:9, 104:13, 104:17 accidents 40:12, 43:17, 81:18, 108:5 accompanied 27:2, 27:7 accomplished 26:8, 26:24, 27:5, 27:10, 50:6, 50:8, 50:11 accurate 17:18, 45:21, 108:22, 109:7, 109:11 achieved 6:8 achilles 29:10, 50:15, 95:8, 95:11 aching 20:19, 21:1 across 20:5 acted 22:15 action 1:17, 4:20, 63:22, 115:15 active 9:21, 108:1 activities 20:8, 20:19 actual 76:4, 109:11 acute 86:6, 102:9, 102:12, 102:18 addition 9:21, 12:2 additional 16:21, 21:8, 40:12, 106:2, 106:10 address 5:11, 5:13, 73:3 addressed 72:20, 72:22, 72:25, 73:2, 73:16 adjacent 85:16 adjusting 41:2 admitted 40:3, 54:4 adolescence 17:15, 32:5 advanced 55:2, 55:23 Advil 44:10 aforesaid 4:20, 115:5 age 5:3, 23:11, 44:21 aging 53:5 agree 76:25, 78:11, 80:3, 80:5, 81:20, 86:20, 94:10, 94:14, 95:25, 96:4, 96:17, 98:24, 98:25, 102:8, 102:23,	agreed 4:7, 4:12 agreement 1:14, 1:16, 4:18, 115:10 ahead 19:11, 24:22, 28:23, 44:6, 54:17, 58:16, 80:14, 80:14 AL 1:6 alignment 29:17 alleged 113:2 alleviate 87:16, 88:5 alleviation 87:24 alley 22:13 allowed 70:10 allowing 64:3, 70:2 allows 28:7 ally 22:13 already 22:1, 67:10, 92:24, 97:17, 101:2 ambulance 16:6, 40:2 ambulating 40:6 American 9:7, 10:11, 10:23, 11:22 amount 78:19, 105:9, 105:13, 111:1 and/or 44:2, 68:11, 68:19 angle 28:1, 28:5 answered 22:1 anterior 27:7 anterolateral 21:2 anticipate 37:24 anticipated 28:20 anymore 65:3 anything's 83:22 apologize 72:14, 72:19 apparent 33:24 apparently 30:10, 30:11, 59:22 appear 75:4 APPEARANCES 2:3 appeared 34:8 appears 32:5 application 7:11, 48:5 applied 16:18, 85:9, 98:16 apply 71:25, 83:14, 98:17 Applying 25:2 appointment 107:18 approximate 69:6 approximately 15:19, 16:10, 16:17, 16:24, 19:17, 20:24, 23:11, 40:8, 41:11, 44:21, 56:14, 61:8, 69:4 April 35:4, 35:5, 35:10, 53:22, 53:22, 59:3, 59:7 Area-Wide 10:5 areas 6:1, 7:15, 20:6, 22:6, 23:4, 24:9,	48:6 aren't 79:2, 82:9, 94:21, 95:12 arm 20:21, 43:2, 75:18 arthritic 52:21 arthritis 52:10, 55:2, 55:24 Arts 6:10 asking 22:3, 22:4, 30:4, 46:19, 83:4, 83:5, 97:25, 112:25 asks 13:3 aspect 21:2, 40:15, 42:16, 91:9 assistant 10:1 associate 7:5 associated 9:2, 20:9, 20:12, 20:20, 20:21, 43:2 assume 9:11, 44:14, 92:12, 106:12, 108:19, 108:23, 109:2, 109:6, 109:6 assumed 109:10 assuming 113:2 asymmetry 111:15 atrophy 29:20, 30:6, 47:1 attached 32:18, 32:19 attaches 32:9 attachments 79:4, 79:7 attempt 49:13 attempted 29:23, 42:18, 49:8 attempting 48:22, 62:24, 63:11 attended 6:8, 6:9, 6:13 attention 42:17 attorney 2:5, 2:9, 115:14 attributable 38:7, 38:11 attribute 45:11 August 12:20, 39:4, 64:7, 65:1
		A			
1	5	A.D 1:14, 115:19 ability 91:5 able 23:15, 25:7, 27:14, 27:25, 28:10, 30:20, 32:13, 44:25 abnormal 30:9, 30:10, 31:3, 31:17, 31:18, 32:25, 45:8, 92:1, 92:3, 92:13, 93:24, 94:1, 94:13, 95:20, 95:23, 100:14, 110:7, 110:15, 110:16, 110:25, 111:24 abnormality 95:5 above-entitled 114:10 above-named 115:6 absence 24:6, 111:9 absent 24:4, 29:9, 29:11, 50:14 Absolutely 37:8, 73:6, 96:6 absorb 80:21 Academy 9:8 acceleration 82:11 acceleration-dec 82:10 accept 97:2, 97:3 accepted 96:23 accident 15:22, 16:10, 16:13, 17:1, 19:25, 21:12, 21:18, 22:12, 29:3, 31:24, 32:1, 34:10, 35:23, 36:3, 36:25, 37:4, 37:12, 38:7, 38:12, 38:12, 39:18, 39:23, 40:1, 40:8, 40:10, 43:3, 43:12, 51:11, 51:14, 52:13, 52:17, 53:5, 53:7, 53:10, 54:8,	55:1, 55:20, 55:23, 56:1, 56:3, 56:14, 60:8, 60:13, 60:23, 61:13, 64:9, 64:12, 64:15, 74:3, 74:14, 74:22, 75:7, 79:16, 80:17, 88:23, 93:12, 96:9, 102:21, 103:6, 103:9, 104:13, 104:17 accidents 40:12, 43:17, 81:18, 108:5 accompanied 27:2, 27:7 accomplished 26:8, 26:24, 27:5, 27:10, 50:6, 50:8, 50:11 accurate 17:18, 45:21, 108:22, 109:7, 109:11 achieved 6:8 achilles 29:10, 50:15, 95:8, 95:11 aching 20:19, 21:1 across 20:5 acted 22:15 action 1:17, 4:20, 63:22, 115:15 active 9:21, 108:1 activities 20:8, 20:19 actual 76:4, 109:11 acute 86:6, 102:9, 102:12, 102:18 addition 9:21, 12:2 additional 16:21, 21:8, 40:12, 106:2, 106:10 address 5:11, 5:13, 73:3 addressed 72:20, 72:22, 72:25, 73:2, 73:16 adjacent 85:16 adjusting 41:2 admitted 40:3, 54:4 adolescence 17:15, 32:5 advanced 55:2, 55:23 Advil 44:10 aforesaid 4:20, 115:5 age 5:3, 23:11, 44:21 aging 53:5 agree 76:25, 78:11, 80:3, 80:5, 81:20, 86:20, 94:10, 94:14, 95:25, 96:4, 96:17, 98:24, 98:25, 102:8, 102:23,	agreed 4:7, 4:12 agreement 1:14, 1:16, 4:18, 115:10 ahead 19:11, 24:22, 28:23, 44:6, 54:17, 58:16, 80:14, 80:14 AL 1:6 alignment 29:17 alleged 113:2 alleviate 87:16, 88:5 alleviation 87:24 alley 22:13 allowed 70:10 allowing 64:3, 70:2 allows 28:7 ally 22:13 already 22:1, 67:10, 92:24, 97:17, 101:2 ambulance 16:6, 40:2 ambulating 40:6 American 9:7, 10:11, 10:23, 11:22 amount 78:19, 105:9, 105:13, 111:1 and/or 44:2, 68:11, 68:19 angle 28:1, 28:5 answered 22:1 anterior 27:7 anterolateral 21:2 anticipate 37:24 anticipated 28:20 anymore 65:3 anything's 83:22 apologize 72:14, 72:19 apparent 33:24 apparently 30:10, 30:11, 59:22 appear 75:4 APPEARANCES 2:3 appeared 34:8 appears 32:5 application 7:11, 48:5 applied 16:18, 85:9, 98:16 apply 71:25, 83:14, 98:17 Applying 25:2 appointment 107:18 approximate 69:6 approximately 15:19, 16:10, 16:17, 16:24, 19:17, 20:24, 23:11, 40:8, 41:11, 44:21, 56:14, 61:8, 69:4 April 35:4, 35:5, 35:10, 53:22, 53:22, 59:3, 59:7 Area-Wide 10:5 areas 6:1, 7:15, 20:6, 22:6, 23:4, 24:9,	48:6 aren't 79:2, 82:9, 94:21, 95:12 arm 20:21, 43:2, 75:18 arthritic 52:21 arthritis 52:10, 55:2, 55:24 Arts 6:10 asking 22:3, 22:4, 30:4, 46:19, 83:4, 83:5, 97:25, 112:25 asks 13:3 aspect 21:2, 40:15, 42:16, 91:9 assistant 10:1 associate 7:5 associated 9:2, 20:9, 20:12, 20:20, 20:21, 43:2 assume 9:11, 44:14, 92:12, 106:12, 108:19, 108:23, 109:2, 109:6, 109:6 assumed 109:10 assuming 113:2 asymmetry 111:15 atrophy 29:20, 30:6, 47:1 attached 32:18, 32:19 attaches 32:9 attachments 79:4, 79:7 attempt 49:13 attempted 29:23, 42:18, 49:8 attempting 48:22, 62:24, 63:11 attended 6:8, 6:9, 6:13 attention 42:17 attorney 2:5, 2:9, 115:14 attributable 38:7, 38:11 attribute 45:11 August 12:20, 39:4, 64:7, 65:1
		A			
1	6	A.D 1:14, 115:19 ability 91:5 able 23:15, 25:7, 27:14, 27:25, 28:10, 30:20, 32:13, 44:25 abnormal 30:9, 30:10, 31:3, 31:17, 31:18, 32:25, 45:8, 92:1, 92:3, 92:13, 93:24, 94:1, 94:13, 95:20, 95:23, 100:14, 110:7, 110:15, 110:16, 110:25, 111:24 abnormality 95:5 above-entitled 114:10 above-named 115:6 absence 24:6, 111:9 absent 24:4, 29:9, 29:11, 50:14 Absolutely 37:8, 73:6, 96:6 absorb 80:21 Academy 9:8 acceleration 82:11 acceleration-dec 82:10 accept 97:2, 97:3 accepted 96:23 accident 15:22, 16:10, 16:13, 17:1, 19:25, 21:12, 21:18, 22:12,			

KEYWORD INDEX - DENNIS B. BROOKS

53:19, 56:12, 56:19, 58:19, 58:21, 73:3, 73:12, 73:13, 73:19, 74:6, 76:16 band 82:15, 82:18 bank 17:2, 22:12, 22:18 barely 46:25 basically 30:4, 50:22, 51:15, 66:3, 76:19 Beachwood 4:11, 5:13, 66:17, 66:21 became 11:16, 49:24 become 10:21, 12:3, 102:14 begin 6:7, 15:4, 83:14 beginning 11:15, 13:24, 36:12 behalf 2:4, 2:8, 4:19, 65:25, 68:22 behind 15:21, 39:15, 81:20 belong 9:1, 9:11 bend 21:3, 49:6, 49:6 bending 25:5, 26:10, 42:12, 45:7, 46:17, 47:22, 90:21, 91:3, 91:17, 92:20, 93:7, 93:16 benefiting 77:12 bent 29:22, 30:12, 30:13, 30:25 beside 21:22, 44:12 better 11:24, 77:2 beyond 82:17 biased 15:1 bilaterally 26:24, 27:11, 45:7, 46:17, 50:6, 50:10, 50:14 billed 105:14 bind 85:14 biological 7:11 biomechanics 7:5, 7:10, 7:12, 7:16, 7:19, 7:25, 10:5 bit 7:8, 34:3, 36:12, 51:4, 52:12 bladder 42:23 blade 24:16 bleed 84:1, 84:17 blood 83:24 Blue 1:6, 12:10, 12:10, 12:14, 12:19, 12:20, 12:23, 13:4, 13:5, 13:15, 13:17, 13:17, 14:10, 14:10, 14:16, 14:16, 15:5, 15:17, 19:7, 23:10, 27:23, 33:15, 34:5, 35:16, 37:11, 38:1, 38:6, 38:14, 38:20, 38:25, 39:1, 39:9, 39:10, 40:23, 42:10, 43:4, 43:8, 44:16, 44:19, 44:20, 46:19, 48:20, 50:20, 51:7, 51:16, 51:18, 53:13, 54:4, 55:1, 56:13, 56:16, 56:20, 58:20, 59:10, 59:22, 60:7, 60:14, 60:14, 60:22, 61:12, 61:25, 61:25, 62:7, 62:24, 63:22, 63:23, 64:4, 64:4, 64:5, 64:6, 64:6, 64:7, 64:17, 64:24, 64:24, 65:1, 65:5, 65:9, 65:9, 65:16, 65:21, 65:22, 66:24, 66:24, 68:25, 69:10, 69:12, 69:20, 70:15, 70:21, 70:25, 71:7, 71:7, 71:11, 73:23, 73:23, 75:15, 76:7, 77:17, 77:17, 83:15, 84:7, 84:7, 84:11, 84:11, 87:7, 87:7, 88:21, 88:21, 90:17, 90:17, 92:1, 93:15, 94:4, 94:4, 95:20, 96:7, 97:12, 97:18, 98:23, 100:13, 100:18, 100:25, 101:1, 103:22, 103:23, 104:10, 104:11, 104:20, 105:25, 105:25, 107:12, 107:12, 108:25, 109:1, 109:1, 110:8, 110:9, 110:20, 111:25 Blue's 49:9, 61:21, 66:7, 66:7, 90:20, 92:5, 92:16, 94:23, 103:2, 103:3, 111:3, 111:15 board 10:11, 10:17, 10:20, 10:21, 10:23, 11:4, 11:7, 11:16, 11:19, 11:22, 11:23, 12:5 Boardman-canfiel 2:6 bodies 17:23 body 18:17, 28:2, 93:17 bone 18:17, 79:8, 79:11 bones 5:25, bony 32:8, 79:11, 79:11 born 32:5 bothering 69:21 bothersome 55:8 Dowel 42:21, 42:22 brace 16:9, 16:15 Drain 53:24, 59:17 sake 39:25 branches 10:25 break 63:16 srief 63:18 sriefly 5:20, 43:23 aring 30:20 broken 82:19 Brooks 1:9, 4:10, 5:2, 5:10, 5:12, 11:6, 12:8, 13:22, 14:5, 23:21, 38:13, 38:23, 54:24, 76:24, 115:7 Brown 1:9, 65:12 Brown's 65:11 Bruce 5:12 bruising 79:23 buckled 39:16 buckling 21:5 Building 2:10 bumper 80:22 Burn's 26:12, 47:25, 49:1, 49:2, 49:3, 49:16, 63:9, 111:4 buttock 42:15, 47:19, 48:3, 97:13, 97:16 C C4-5 52:5 C5-6 52:4 Calling 101:19 Campus 4:10 can't 28:21, 56:24, 68:24, 77:14, 78:8, 83:12, 87:2, 90:10 cannot 85:4, 85:12 cardiac 54:7, 60:12 Cardio 43:24 cardiologist 40:5 cardiomyopathy 40:4, 43:19, 44:1 care 5:24, 6:2, 16:11, 17:5, 40:9, 40:13, 41:4, 58:21, 65:22, 66:7, 66:7, 86:18 career 8:15 Carney 1:12, 4:14, 114:13, 115:4, 115:22 case 1:4, 7:6, 7:14, 10:2, 11:1, 30:17, 65:8, 66:1, 67:17, 71:15, 81:13, 83:5, 84:5, 85:9, 88:14, 88:22, 90:20, 91:10, 92:5, 94:23, 103:15 CAT 59:6, 71:18, 71:19, 71:22, 108:20 category 46:15 cause 1:17, 4:20, 28:16, 28:18, 42:21, 50:2, 75:2, 80:6, 98:10, 98:15, 98:16 caused 81:16 causes 28:15 Cedar 4:11, 5:13 Center 8:5, 8:25, 10:4, 53:18 Centre 2:10 certain 20:22, 68:20 certainly 9:7, 77:2, 90:12, 103:15 certainty 38:5, 61:11, 61:20, 61:24, 62:7, 62:17, 62:23 certificate 11:17, 114:5, 115:1 certification 10:13, 10:20, 11:6, 12:6 certified 5:6, 10:17, 10:21, 11:4, 11:7, 11:16, 11:20 certifies 10:7 certify 114:7, 115:6, 115:13 certifying 10:10 cervical 7:19, 18:22, 19:2, 20:17, 23:16, 23:17, 23:20, 25:4, 31:11, 34:1, 34:14, 34:24, 35:5, 36:2, 36:8, 36:14, 37:17, 45:2, 45:3, 45:5, 47:23, 51:21, 55:2, 55:24, 59:13, 60:11, 74:16, 74:18, 75:1, 75:3, 75:6, 84:6, 84:10, 90:18, 92:6, 94:24, 101:13, 108:24 cesarean 21:23 chair 23:13, 44:24, 49:24 change 42:22, 52:21, 113:8 changed 97:20 characterize 86:5 charge 104:25, 105:1, 105:3, 105:8, 105:16, 105:20, 105:22, 106:2, 106:5, 106:6, 106:11, 106:13, 106:15, 106:16, 106:16, 106:18, 107:1, charged 104:22, 105:10, 105:11, 105:24 charges 105:13 charley 24:2 checked 95:11 chest 39:22, 75:15, 75:15 CHESTER 1:9 child 22:17 children's 8:4 chiropractic 101:9 chiropractor 40:24, 41:4, 41:7, 54:1, 59:21, 76:17, 109:5 chose 40:24 chronic 34:23, 86:1, 86:6, 88:2, 90:9, 90:11, 102:14, 102:17, 102:22, 103:1, 104:16 City 2:10 Civil 1:16 claimed 62:24, 63:11 clarify 88:9 clarity's 12:22 clear 83:7 Cleveland 6:25, 8:16, 8:22, 8:25, 10:5, 66:16, 66:18, 70:2 climb 23:15, 44:25 climbs 21:3 clinic 73:24 clinical 9:9, 10:1, 69:5, 77:24, 78:12, 78:21, 86:1, 87:13, 88:3, 102:5, 104:15, 111:16 closed 43:15 coin 77:4 cold 98:8, 98:15, 98:15 collapsed 80:23 collar 46:8, 46:9 collateral 30:3 college 6:7 combined 98:11 comes 86:15, 87:13 coming 70:1 comma 58:5 comments 71:17, 72:1, 73:11, 73:22 Commission 115:22 commissioned 115:5 Common 1:1, 1:17 companies 68:17 compatible 52:11, 61:21 competent 81:2, 108:21, 109:2, 109:3 complain 42:25, 49:11, 49:19 complained 20:16, 20:22, 28:6, 28:13, 29:16, 29:18, 49:7, 56:16, 60:15, 75:15 complains 48:9, 74:16, 100:8 complaint 23:4, 46:10 complaints 14:1, 22:7, 36:13, 36:17, 36:20, 36:24, 37:3, 37:6, 44:11, 45:18, 45:20, 45:22, 45:23, 47:23, 48:23, 54:9, 60:18, 61:21, 61:25, 62:8, 62:20 complete 10:22, 19:21, 31:6, 81:19 completed 8:14, 11:3, 16:20, 19:23, 21:24, 28:24, 41:6, 44:13, 51:16, 57:20 completely 30:19, 45:15, 46:20, 61:1, 62:8, 62:13 completes 57:11 completion 7:24 complex 73:24 compliance 87:5 compliant 87:11 complied 86:25 comprehensive 69:7, 69:14, 109:7 compression 47:23, 90:5, 111:11 computer-assiste 4:16 Comstock 2:10, 67:20 concerned 21:15, 93:7 concerning 64:4, 64:5, 71:18, 72:6 conclude 22:19 concluded 35:13 concludes 38:13 conclusion 38:19 conclusive 96:4 condition 32:4, 32:4, 32:6, 36:8, 44:1, 55:24, 56:2, 78:14, 96:23 conditions 52:9 conduct 67:2 conducted 67:18 condyle 30:1 conference 106:23 confirm 49:4 confirmatory 49:3 consider 73:16, 101:25 considerable 111:1 considerably 47:25 consideration 110:12 considered 11:4, 66:17, 95:14, 95:17, 99:15, 109:8, 112:2

KEYWORD INDEX - DENNIS B. BROOKS

consistent 75:5, 95:25, 96:2, 96:5, 97:6, 110:13, 110:14, 110:25, 111:4 constant 20:18 constrict 85:14 consult 77:15 consultant 109:19, 109:22 consultation 106:11 consulted 77:19 consulting 78:3 contacted 65:9, 67:14, 67:15 contain 14:23, 70:11, 70:12, 105:16 contains 70:24 contents 6:3 continue 19:14, 25:11, 46:22, 47:12, 50:3, 55:5, 59:1 continued 17:5, 26:21 contracting 96:18 contraction 24:1 contractual 50:18, 51:6 contralateral 27:4, 27:9, 27:15, 28:7, 50:10 contrary 103:13 contrast 36:7 control 46:20 controlling 46:13 contusion 34:1, 36:2, 37:17, 54:7, 60:12, 75:24 contusions 79:23 cooperated 70:1 coordinator 22:14 correct 10:18, 12:7, 12:15, 13:11, 16:1, 18:3, 21:13, 22:25, 25:25, 28:22, 33:21, 39:11, 47:11, 64:10, 64:13, 64:21, 64:22, 64:24, 65:4, 65:6, 65:23, 70:8, 71:7, 71:23, 72:1, 72:2, 72:5, 74:4, 74:10, 74:24, 75:16, 76:23, 76:25, 79:25, 82:12, 84:14, 88:1, 88:15, 89:1, 90:21, 91:14, 91:16, 91:22, 92:14, 93:5, 93:11, 93:23, 94:22, 95:10, 96:10, 98:8, 99:5, 99:11, 99:13, 99:24, 101:15, 101:18, 104:2, 104:5, 107:5, 108:12, 113:10, 113:14, 114:8 corrected 101:4	costing 107:9 Coughing 42:21 couldn't 28:5 counsel 1:16, 4:7, 4:13, 115:10, 115:14 County 1:2, 1:18, 115:2, 115:5 couple 27:12, 41:12 coupled 95:24 course 9:15, 10:5, 13:8, 15:10, 15:13, 35:19, 60:4, 73:22, 94:6, 96:13 courses 7:13 Court 1:1, 1:17, 57:5 courtesy 56:25 cover 6:1 covering 24:16 creates 81:20 crepitus 29:25, 30:6 CROSS 3:7, 63:19 cross-examine 12:25, 38:17, 38:19, 38:23 cruciate 30:3 crumbled 80:24 crutches 40:7 CT 53:22, 109:3 cuff 43:12 curvature 17:14, 26:18, 28:8 customarily 104:25 customary 105:1, 105:3 cut 25:21, 84:1 CUYAHOGA 115:2 CV 1:4 cycle 30:18	deficit 94:11 define 36:5 defornity 25:13, 47:1 degeneration 52:4, 52:6 degree 6:8, 6:11, 6:15, 6:16, 27:16, 29:2, 31:23, 31:25, 35:21, 36:23, 37:10, 37:23, 38:5, 38:10, 51:9, 51:12, 52:15, 53:6, 53:9, 54:14, 54:19, 55:18, 60:5, 60:21, 61:4, 61:11, 61:20, 61:23, 62:6, 62:16, 62:23, 80:16, 100:1 degrees 26:24, 27:1, 27:5, 27:7, 27:10, 27:14, 28:12, 29:22, 29:24, 30:13, 30:14, 30:25, 50:6, 50:8, 50:9, 50:12, 91:7, 91:8, 93:3, 93:21, 100:1 delivered 22:17 demonstrated 31:20, 54:11, 92:3, 100:25 demonstrating 49:5 Dennis 1:9, 4:10, 5:2, 5:12, 115:6 denser 85:23 depend 24:20 depending 24:20 Depends 89:2 deposes 5:6 deposition 1:7, 1:12, 4:8, 4:13, 4:17, 4:19, 36:12, 67:6, 106:12, 106:17, 113:20, 115:9 depositions 107:2 describe 23:7, 34:13, 96:22 described 16:25, 17:7, 20:6, 20:17, 21:1, 21:7, 29:3, 31:2, 37:20 describes 76:16, 76:17 desires 12:25 desk 49:23 Detelich 40:24, 59:21, 77:20, 109:5 Detelich's 41:4, 54:1, 74:10, 75:5, 76:2, 76:7, 76:11, 76:17, 99:2 determine 46:5, 46:19, 94:10 develop 32:22, 55:25 developed 56:2	developmental 17:15, 32:4, 52:23 develops 17:15, 32:6, 32:22 device 7:18 devote 68:21 diagnosed 38:1, 44:4, 89:7, 89:12, 89:14, 89:15 diagnoses 34:25, 59:23 diagnosis 33:25, 34:23, 54:7, 59:12, 72:24, 75:25, 84:5, 84:9, 84:10 diagnostic 13:25, 18:16, 96:2, 96:5 didn't 17:9, 42:4, 45:13, 64:8, 64:20, 67:2, 67:12, 69:16, 69:17, 69:21, 69:23, 71:2, 71:12, 72:3, 74:5, 81:7, 87:1, 89:8, 89:20, 89:23, 90:18, 91:18, 91:24, 92:2, 92:10, 92:17, 94:4, 94:25, 95:6, 95:21, 97:13, 98:17, 99:2, 99:6, 100:10, 100:16, 101:6, 101:23, 102:2, 102:25, 103:4, 111:25, 112:10, 112:13, 113:8 difference 27:14, 27:21, 46:1 differently 13:5 difficulty 23:14, 44:24, a4:20 direct 3:6, 5:8, 38:19 directly 38:11, 80:17 disability 38:6, 38:11, 62:24, 63:11 disagree 86:20 discernible 46:25 discharged 40:6, 54:7 discomfort 28:16, 49:22, 75:16 discussed 95:22, 101:2 discussion 90:15, 112:24 disease 31:21, 32:3, 32:22 disk 17:10, 17:17, 17:19, 17:21, 17:22, 17:22, 52:4, 90:5, 111:12 disks 6:3 dislocation 52:3 disposed 70:19 disposing 70:17	distal 26:9 distracts 113:4 doctor 5:15, 6:5, 6:15, 6:17, 7:7, 8:21, 9:1, 9:21, 10:6, 10:19, 12:22, 13:2, 13:12, 14:12, 15:5, 15:16, 17:13, 17:21, 18:12, 18:14, 19:22, 20:13, 21:15, 21:25, 22:22, 23:2, 23:6, 24:23, 25:6, 26:16, 27:13, 29:2, 29:14, 30:4, 31:5, 31:23, 32:12, 33:2, 35:15, 35:25, 36:4, 36:11, 36:15, 36:22, 37:9, 37:19, 38:4, 38:24, 39:3, 43:20, 44:14, 45:10, 45:17, 48:13, 49:1, 49:14, 50:4, 51:10, 51:15, 52:1, 52:7, 53:12, 54:3, 54:13, 55:16, 56:23, 60:1, 60:10, 60:14, 60:20, 61:7, 61:10, 61:15, 61:18, 62:16, 63:1, 63:21, 65:8, 67:2, 72:13, 76:1, 77:10, 77:15, 77:25, 78:11, 78:21, 80:1, 81:19, 83:9, 83:18, 84:9, 85:6, 85:25, 86:13, 86:15, 86:16, 86:16, 86:19, 87:6, 87:13, 87:21, 90:4, 90:16, 94:3, 95:23, 96:11, 102:8, 104:5, 104:11, 104:19, 107:25, 108:17, 108:19, 109:14, 110:17, 111:20, 112:25 Doctor's 57:18 doctors 76:24, 87:1, 87:4, 109:4 doesn't 28:14, 28:16, 73:2, 73:3, 77:11, 81:4, 84:21, 87:22, 100:3, 105:15 doggone 58:9 dollars 105:6 doors 39:16 dorsal 34:24 doubted 30:16 Dr 5:10, 11:6, 12:8, 13:22, 14:5, 16:11, 16:14, 16:14,	17:5, 19:6, 19:15, 23:21, 34:4, 38:13, 38:23, 40:5, 40:9, 40:11, 40:13, 40:21, 40:22, 41:5, 41:7, 41:25, 42:1, 42:2, 43:13, 53:19, 53:23, 54:24, 56:12, 56:19, 58:19, 58:21, 59:10, 59:11, 72:6, 72:11, 72:21, 72:25, 73:2, 73:2, 73:3, 73:12, 73:13, 73:18, 74:6, 74:10, 75:5, 76:1, 76:7, 76:11, 76:16, 76:24, 77:19, 77:19, 77:20, 91:23, 99:2, 109:5, 109:5 driver 65:13, 66:1 driving 39:14 due 100:3, 103:18 duly 5:3, 115:5, 115:7 duty 57:5
					E
					earlier 14:15, 22:23, 38:3 easier 102:10 educational 6:6 effusion 29:20, 30:6 eight 34:19, 65:18 either 24:4, 24:11, 30:1, 30:1, 30:2, 64:24, 66:6, 66:9, 66:9, 66:13, 67:6, 67:19, 68:1, 77:16, 81:1, 81:16, 86:20, 87:7, 104:1, 104:5, 107:11, 107:12, 115:14 elastic 82:15, 82:18 Eleanor 12:10, 12:14, 12:23, 13:4, 13:15, 15:5, 15:17, 38:14, 44:16, 50:20, 63:23, 64:4, 64:6, 64:17, 64:24, 65:5, 65:9, 65:16, 65:22, 66:7, 66:24, 69:12, 70:21, 70:25, 70:25, 71:7, 71:11, 73:23, 77:17, 84:7, 84:11, 87:7, 90:17, 92:5, 92:16, 93:15, 94:4, 98:23, 100:18 101:1, 103:3,

D

daily 108:2
damage 80:22
damaged 82:19
damages 81:16
dashboard 15:24
date 39:2, 74:2,
74:21
deal 96:24
dealt 7:17, 7:19
Dear 73:2
December 34:4,
53:20
decides 86:16,
86:17
decision 86:9
decrease 20:20,
47:17
decreased 17:4,
20:10, 21:6,
27:3, 27:8,
42:14
deep 25:16,
95:5, 95:21,
110:8, 110:16,
111:10, 111:15,
111:24
defendant 1:10,
2:8, 66:4
defendants 108:11
defense 68:15,
68:21, 105:20

KEYWORD INDEX - DENNIS B. BROOKS

105:25, 107:12, 111:25 electrical 41:2 elicit 22:6, 102:11 emergency 33:14, 33:15, 33:16, 33:23, 34:9, 52:12, 55:19, 56:8, 71:6 encompasses 5:21 encounter 100:2, 100:4, 100:5, 100:6 energy 80:16, 80:21 engaged 8:18 engineering 7:11, 7:13 entered 8:16 entire 42:20 entirely 107:17 essence 7:10, 44:1 essentially 23:4, 31:5 ET 1:6 evaluate 74:12 evaluated 19:15, 40:21, 42:2 evaluating 94:7, 96:11, 96:13 evaluation 69:3, 71:15, 76:10 evening 63:24, 106:17 event 115:15 evidence 23:17, 25:13, 26:4, 26:11, 30:2, 45:3, 47:1, 47:17, 52:2, 52:3, 85:10, 86:21 evidenced 59:11 exaggerate 48:22, 62:24, 63:11 exaggerating 62:18 exam 11:24, 28:25, 105:10 examination 3:6, 3:7, 3:8, 3:9, 5:8, 11:1, 11:2, 11:3, 11:18, 11:25, 13:5, 13:9, 14:16, 14:19, 14:22, 15:7, 23:1, 23:2, 23:3, 23:7, 23:8, 24:23, 25:15, 29:6, 29:8, 29:13, 31:2, 31:6, 35:17, 36:16, 38:13, 38:19, 41:11, 42:9, 44:15, 44:15, 44:19, 44:20, 46:23, 47:15, 50:4, 50:13, 51:16, 56:15, 60:3, 60:15, 60:17, 61:18, 63:7, 63:19, 65:21, 66:10, 69:5, 69:8, 69:14, 72:23,	97:12, 104:23, 105:8, 105:14, 107:23, 108:13, 109:25, 111:14, 111:22, 113:5, 113:6 examinations 67:3, 67:9, 67:14, 67:18, 68:1, 68:19, 68:21, 68:22 examine 11:23, 12:10, 12:17, 13:3, 13:13, 13:20, 14:9, 15:3, 22:24, 26:22, 29:15, 38:16, 65:9, 65:24, 67:16, 68:14, 70:2, 99:2, 99:6, 108:10, 109:22 examined 12:14, 12:18, 12:20, 12:23, 14:2, 16:7, 19:16, 19:17, 19:19, 19:25, 20:3, 23:16, 25:12, 26:2, 33:3, 33:24, 34:9, 39:2, 40:11, 41:5, 41:10, 41:25, 42:9, 45:2, 46:24, 47:13, 47:16, 56:13, 59:22, 62:13, 64:5, 64:7, 68:11, 76:12, 78:16, 78:18, 81:3, 90:17, 92:5, 92:16, 98:2, 98:23, 99:1, 104:20 examiner 11:21, 12:3, 48:17, 113:4, 113:4 examines 12:5 examining 14:10, 23:15, 25:19, 25:24, 28:3, 29:21, 30:12, 30:22, 30:24, 45:1, 69:10, 81:1, 99:19, 105:20, 105:22 example 9:5, 46:8, 46:11, 49:23 except 31:6, 47:2 exclusion 10:24 exclusively 108:11 excuse 20:7, 41:24, 42:7, 60:8, 74:24, 94:9, 105:12 exercise 50:19 EXHIBITS 3:19 expect 88:18, 88:20, 88:24, 89:5, 89:9, 89:10, 89:19 expected 49:9 experience 35:20, 60:5, 61:19, 112:16 experienced 20:25, 29:23,	42:25 Expires 115:22 explain 16:23, 23:9, 28:21, 30:5, 30:11, 32:10, 112:20 explained 76:15 explaining 111:1 explanation 30:23, 36:19, 48:15, 98:20 extended 30:22 extension 25:5, 26:9, 27:17, 45:5, 47:22, 91:17, 93:6, 100:21, 100:25, 111:3 extensively 108:18 extent 4:21, 22:1, 23:2, 42:17, 81:15 extremities 25:16, 29:8, 47:15, 50:13, 94:24, 95:6, 95:24	63:2, 63:12, 63:20, 63:21, 69:6, 72:12, 72:13, 72:15, 72:16, 72:17, 72:18, 72:19, 83:6, 90:13, 107:20, 109:9, 109:13, 110:22, 111:7, 111:17, 111:23, 112:22, 113:15 fellow 7:12 felt 11:22 female 23:11 femoral 30:1 femur 7:18 fender-bender 80:7 fifth 7:4 file 64:3, 70:20, 70:24 73:13, 76:2, 105:15 files 70:11, 70:11, 70:12 105:12, 105:3 film 75:4 films 71:19, 71:22, 74:2, 74:6, 74:9, 74:21, 108:19, 108:20 final 34:23 finally 8:7, 10:6, 38:4 finding 24:7, 25:9, 25:23, 26:15, 31:18, 32:25, 45:8, 45:14, 46:3, 46:3, 46:4, 46:7, 46:12, 46:18, 48:12, 74:19, 74:20, 74:23, 74:25, 75:2, 75:6, 93:24, 94:2, 95:17, 111:10, 111:11, 111:13, 112:3 findings 23:8, 24:23, 30:7, 31:17, 44:19, 45:21, 45:24, 46:23, 50:4, 50:17, 51:5, 52:1, 52:11, 52:12, 52:16, 52:19, 54:11, 54:14, 54:18, 55:19, 55:22, 55:23, 61:24, 73:22, 74:14, 74:15, 74:15, 76:11, 77:11, 88:8, 88:9, 108:21, 108:22, 110:8, 110:10, 110:11, 110:16, 110:25, 111:2, 111:14 finger 25:20, 25:21 fingers 26:9 fingertips 47:20 finish 55:7, 58:10 finished 4:17, 58:15, 89:25	firm 67:19, 68:2, 68:12, 105:21 firms 68:15 firsthand 78:8, 78:9 five 7:25, 19:16, 23:12, 23:12, 34:19, 44:23 flatten 28:8 flex 28:6, 31:3 flexed 99:23 flexion 25:5, 26:8, 27:3, 27:4, 27:8, 27:9, 27:16, 29:24, 45:5, 47:20, 50:11, 50:18, 51:7, 90:21, 91:3, 92:17, 93:15, 111:3 floor 42:14 focal 97:6 follows 5:7 foot 23:12, 39:25, 44:23 force 8:11, 81:24, 82:21 forces 81:21 foregoing 114:7, 115:9 forget 89:25 forgot 83:19 formation 84:24, 85:7 formed 28:1 forms 85:13 forth 9:13, 30:6, 87:18, 108:14 forward 15:23, 25:8, 26:8, 47:20, 49:6, 81:25, 82:2, 82:3, 90:21, 91:3, 92:20, 92:20 forwarded 14:6 fourth 7:3 fracture 52:2 fractures 7:18 fragmentation 32:6, 32:23 free 15:13, 38:17, 67:3, 67:6 freely 25:7 frequently 109:18 front 15:11, 28:13, 32:8, 72:20 front-seat 15:20 full 25:4, 47:2 full-day 11:2 fully 4:20, 29:24, 30:22, 30:23, 65:7 functional 91:9	78:12, 80:1, 81:8, 83:5, 83:15, 94:10, 102:10 given 16:8, 64:2 glass 25:21 goes 24:15 gone 15:2 gosh 9:20 graduated 6:8, 6:10, 6:14 grandfathered 11:20 Greenville 34:9 guess 10:6, 15:6, 17:17, 19:2, 19:7, 32:10, 47:6
					H
					hadn't 66:9 half 20:25, 23:12, 29:18, 54:12, 64:15, 88:23, 97:21, 102:20 half-day 11:2 hammer 95:12 hamstring 27:2, 27:3 hamstrings 27:24, 28:6, 28:9, 28:19, 29:1, 49:16, 50:24, 51:8, 51:11, 51:13 hands 98:8, 98:15, 98:16 happened 14:1, 80:22, 85:11 happens 32:16 happy 107:15, 107:18 Harvard 6:9 haven't 66:13, 87:3, 97:20, 100:22 having 11:3, 33:3, 104:20 hazard 75:8 he'd 47:9 he's 49:5, 49:6, 77:12, 58:15, headache 59:13 headaches 42:2 heal 85:7 healed 25:19, 103:1 heals 36:9, 84:24 health 7:5, 103:3, 103:3 heart 43:24, 44:2, 75:24 heat 41:2, 42:14 heavier 80:1, 80:5, 81:9 heel 26:10, 30:20, 47:24, 99:21 heels 49:13, 49:17, 50:1, 50:1 height 44:22 Hello 63:21 helpful 71:15 hereby 4:18, 114:7, 115:6 hereinafter 5:6

KEYWORD INDEX - DENNIS E. BROOKS

<p>hereunto 115:17 herniated 17:19, 17:21, 17:22 herniation 90:5 hesitation 78:3, 78:6 hip 27:2, 27:4, 27:8, 27:9, 27:15, 28:7, 50:10, 50:18, 51:6 hired 67:8 history 13:21, 13:23, 13:24, 13:25, 14:18, 15:6, 15:17, 18:10, 18:24, 19:12, 19:21, 19:24, 21:8, 21:8, 21:10, 21:24, 22:2, 22:3, 22:6, 22:9, 22:9, 22:20, 22:23, 33:3, 35:16, 39:5, 39:8, 39:12, 40:4, 43:5, 43:18, 44:13, 60:2, 60:15, 61:19, 63:6, 69:19, 72:23, 74:13, 89:15, 103:2, 103:20, 104:22 history-taking 108:13 hit 15:24, 81:20 hold 79:4, 79:7 holds 64:17, 70:23 honest 62:8, 62:13 honestly 77:22 Hoover's 112:5 horse 24:2 hospital 6:25, 16:6, 33:14, 34:9, 40:2, 54:5, 54:9, 54:19, 71:6, 71:10, 73:25, 74:2, 75:13, 92:12, 93:3, 93:10, 93:21, 99:7, 100:17, 109:1 hospitalized 54:6, 55:1 hospitals 8:22, 8:24 hostess 17:4 hour 42:13, 97:21, 105:6, 105:23, 106:9, 107:7 hourly 105:3, 105:8, 105:11, 105:19 hours 17:4, 55:25 however 57:11, 71:19, 78:20 hundred 105:6 hurt 100:3 hyperextension 82:9 hyperflexion 82:9</p>	<p>image 18:16, 88:10 imaging 18:15 immediately 57:7, 79:19 impact 80:2, 80:5, 81:9, 81:13, 82:22 implies 102:23 impression 78:8, 78:9 inappropriate 63:7 inches 23:12, 44:23 incidental 25:23 incidentally 18:14, 21:25, 26:14, 39:9 include 37:5, 72:10 included 7:16, 41:1, 53:20, 106:18 includes 72:23 including 34:14, 63:7 inconsistent 110:10, 110:17 increase 26:4, 26:14, 26:19 increased 42:12 independent 7:15 INDEX 3:4 Indiana 8:5 indicate 87:7, 92:13, 94:14, 94:16, 94:17 indicated 14:15, 15:23, 16:25, 22:14, 25:20, 26:13, 29:22, 33:15, 40:20, 41:19, 42:10, 42:19, 42:23, 43:18, 44:3, 45:14, 59:22, 101:13, 101:16, 111:14 indicates 27:23 indication 56:19, 58:19 indications 97:4 indicative 24:3 indicator 30:14 individual 11:16, 13:4, 13:20, 14:1, 32:22, 91:9 individuals 13:4, 78:16, a1:3 inflamed 102:10 inflammation 44:2, 87:17, 102:15 information 34:3, 81:12, 87:6, 87:9, 87:10, 103:12, 103:16 informative 113:17 initially 11:7, 11:8, 28:4, 28:11, 41:5 injured 15:18, 21:20, 39:13, 68:22, 79:15, 80:3, 81:5,</p>	<p>103:25 injuries 7:17, 7:20, 21:19, 35:22, 37:11, 37:19, 37:25, 60:6, 60:22, 61:2, 61:5, 61:9, 61:12, 61:16, 65:3, 78:22, 79:18, 80:6, 80:18, 80:25, 82:8, 82:9, 82:10, 82:11, 88:11, 88:17, 88:18, 88:21, 88:25, 89:1, 90:2, 97:7, 102:9, 102:11, 102:14, 104:4, 104:5 110:20 injury 36:9, 47:8, 75:6, 80:2, 81:2, 81:9, 89:3, 89:14, 89:15 111:11, 111: 2, 111:13 input 46:4 inquire 22:6, 63:14 inquired 43:18 inside 81:21 instance 68:21, 75:3, 79:22 instances 109:21 instead 49:24 Institute 7:5, 7:14 instructions 86:23 insurance 68:17 Integrated 4:10 intend 107:16 interested 115:15 intern 6:24 internal 47:3, 85:13 International 9:8 internship 6:18 interpreted 108:20, 108:24 interrupt 23:8, 48:1, 55:9, 57:1 interspace 52:4, 52:5 interval 16:13, 40:9 intervals 34:18 intervertebral 6:3, 52:3, 111:12 introduce 13:19 introduced 3:19, 114:8 involuntary 95:15, 96:18 involved 40:12, 43:16, 74:13, 102:9, 103:6, 103:9, 108:4 involvement 94:11, 94:14, 94:16, 94:17, 95:3, 96:1 isn't 64:15, 66:1, 66:4, 72:25, 74:17, 77:7, 78:14, 81:10, 81:22,</p>	<p>83:18, 85:2, 85:17, 85:22, 85:23, 86:13, 87:14, 87:19, 88:14, 90:5, 94:14, 95:15, 96:1, 96:24, 98:13, 99:16, 102:12, 112:3, 112:8 isolated 45:14, 111:13 issued 11:16 item 68:24 itself 84:21</p>	<p>103:25, 104:4, 104:14, 105:10 knowledgeable 78:13 known 82:9, 82:11</p>	<p>L L-1 2:6 L5 53:3 laboratory 7:6, 7:13 laceration 79:23 lack 111:9 lady 29:6, 33:3 larger 83:21 Lasegue's 27:10, 50:9 lastly 19:6, 20:22, 53:25 later 34:19, 54:12, 93:13 lateral 25:5, 25:5, 26:9, 45:5, 45:6, 45:7, 46:17, 46:17, 47:22, 51:6, 91:12, 93:15 law 2:5, 2:9, 67:19, 68:1, 68:12, 68:15, 105:21 lawful 5:3 Lawrence 2:9 lawsuit 12:11, 83:17 lawyer 65:11, 65:14 laxity 30:3 lay 30:24 Lazar 40:5 lead 108:5 learn 43:8, 54:2 learned 53:16, 54:4, 54:8, 56:8, 56:12, 56:13, 59:2, 59:6 Learning 112:16 least 37:11, 41:1, 55:7, 56:25, 65:3 Lecture 10:5 leg 20:12, 26:23, 26:25, 27:4, 27:6, 27:9, 27:25, 28:1, 28:4, 28:12, 28:15, 40:15, 40:17, 42:18, 42:19, 42:20, 42:22, 42:24, 43:11, 50:5, 50:7, 50:11, 50:17, 51:5, 59:11, 99:12, 99:25, 100:10, 100:11, 100:13, 100:18 legal 85:20 legitimate 62:1 legs 20:14, 95:25, 96:8 less 95:14, 96:18 let's 6:7, 15:4, 38:24, 112:16, 112:22 letter 34:4, 34:5, 34:8,</p>	<p>56:12, 72:7, 72:11, 72:21, 72:25, 73:1, 73:15, 76:15, 76:16, 76:17, 91:25 level 6:5, 32:20, 83:11, 83:21, 83:21, 99:9, 99:9 liberty 64:2 licensed 9:15, 9:18, 9:19 lie 28:3 lies 99:18 lift 28:15 lifting 24:21 ligament 30:3, 32:21 ligaments 6:1, 79:2, 79:4, 82:14, 82:23, 83:2 light-duty 16:25 lightest 47:18, 48:2, 48:4, 48:6, 48:14, 63:8, 97:15, 98:17 likely 80:6 limit 82:18 limitation 28:5, 30:15, 47:3, 91:23, 111:3 limited 23:1, 23:4 limits 82:15 limping 23:14, 44:25 listening 100:22, 100:23 literature 85:20, 85:20, 85:21 litigation 108:5 living 80:19 Lobster 17:2, 17:3, 22:14, 22:15, 22:17 local 9:12 localized 25:14, 26:5, 47:1 location 10:25, 17:23, 66:21 locking 21:4 long-term 21:21, 44:9, 88:2 longer 42:13, 76:12 looking 50:22, 64:2, 74:21, 74:23, 74:25, 75:9, 81:4 looks 72:21, 73:3 lordosis 23:17, 26:4, 26:15, 26:18, 28:9, 45:3, 47:17, 49:17, 74:17, 74:18, 75:1, 75:4 lose 55:10 low 16:5, 18:18, 21:17, 26:3, 40:14, 42:11, 42:15, 43:11, 44:11, 47:16, 49:7, 49:11, 49:20, 49:21, 56:16, 59:23,</p>
---	---	--	--	---	---	--

KEYWORD INDEX - DENNIS B. BROOKS

90:9, 90:12, 94:7, 96:14, 104:16, 113:2, 113:7 lower 20:5, 29:8, 33:17, 50:13, 78:23, 79:1, 95:6, 95:24 lumbar 18:18, 26:4, 26:15, 26:20, 28:8, 31:12, 34:15, 34:24, 35:9, 36:14, 41:14, 47:17, 49:17, 49:19, 51:21, 52:22, 53:21, 84:6, 84:10, 84:12, 84:13, 90:18, 90:23, 90:24, 91:1, 91:4, 91:18, 92:2, 92:4, 92:6, 92:20, 92:22, 93:6, 93:18, 97:13, 99:9, 100:21, 100:25, 101:14, 110:8 lumbosacral 26:3, 36:2, 37:17, 52:20, 60:12 lying 29:21, 30:12, 42:14	Mary 1:12, 4:14, 114:13, 115:4, 115:22 nassage 41:2 Matt 63:21 matter 89:6, 94:6, 96:13, 98:24, 114:10 Matthew 2:5 maybe 22:1, 55:8, 83:8, 85:20 McConnell 73:16, 76:6 meaning 25:1 means 20:13, 24:10, 25:6 meant 11:17, 47:7, 48:20 measurable 29:19 measure 46:5 measured 91:8, 91:12 medical 4:10, 8:5, 8:25, 9:12, 9:13, 10:4, 14:7, 14:22, 17:12, 17:18, 21:21, 28:14, 28:19, 29:2, 30:23, 31:24, 31:25, 33:5, 35:21, 36:19, 36:23, 37:10, 37:24, 38:5, 43:18, 44:9, 48:12, 48:15, 51:10, 51:12, 52:16, 53:6, 53:9, 53:13, 53:18, 54:14, 54:19, 55:18, 60:4, 60:6, 60:21, 61:4, 61:11, 61:20, 61:24, 62:7, 62:17, 62:17, 62:23, 65:2, 70:7, 85:20, 85:21, 88:7, 104:11, 105:18, 110:5 medically 28:21, 36:5, 61:25 medication 16:8, 16:15, 20:10, 20:11, 20:20, 21:22, 44:8, 87:16, 87:17 medicine 5:18, 6:14, 6:15, 9:2, 9:16, 10:25, 45:17, 96:24 meet 106:20 member 9:7 members 68:1 mentioned 38:2 merely 89:14 Merit 114:14 met 106:11 microscopic 83:11, 83:21 midback 16:5, 21:17, 25:13, 40:14, 41:21, 47:14 middle 20:5, 55:9, 58:14 middleman 22:15	mild 36:1, 36:2, 36:4, 36:7, 36:8, 36:9, 37:16, 37:17, aa:20 milt 43:14, 43:15 milligrams 44:8 mind 58:9 minimal 52:21, 111:15 minute 89:24, 112:23 minutes 69:10, 78:17, 97:21 Miss 14:16, 19:7, 23:10, 27:23, 33:15, 34:5, 35:22, 36:13, 37:11, 38:1, 38:6, 39:1, 39:10, 43:4, 51:18, 60:14, 63:23, 83:15, 83:15, 88:21, 109:1 modalities 21:5 model 32:12, 32:16, 32:17 moderate 20:17, 52:3 moment 48:1, 73:5, 90:14 money 107:10 monitored 40:6 month 40:8, 41:6 months 16:17, 16:21, 34:20, 41:12, 64:12, 93:13 motion 30:15, 46:14, 47:2, 49:5, 90:17, 91:1, 91:4, 91:13, 91:24, 92:1, 92:3, 92:6, 92:7, 92:13, 101:2, 101:13, 110:8, 110:15 MOTIONS 3:12 motor 54:7, 60:7, 74:13, 79:16, 81:18, 104:12 move 18:4, 19:4, 19:9, 25:7, 34:11, 34:16, 34:21, 35:7, 35:11, 39:19, 41:17, 41:22, 42:5, 45:15, 46:11, 48:24, 51:1, 54:16, 54:23, 55:3, 55:11, 56:5, 56:17, 58:23, 59:4, 59:8, 59:15, 59:19, 62:14, 63:2, 63:12, 82:3, 109:13, 110:22, 111:7, 111:17 moved 46:21 movements 42:21 moves 82:3 moving 46:13 MRI 17:6, 18:1, 18:7, 18:9, 18:14, 18:15, 18:16, 18:18,	19:6, 34:14, 35:5, 35:6, 35:9, 41:14, 41:20, 41:21, 42:3, 53:21, 53:24, 59:2, 59:17, 72:3, 90:10, 90:12, 108:19 MRI's 71:25, 90:4, 90:7, 108:20, 109:3 Mt 4:10, 6:24, 7:2, 8:25, 10:4 muscle 24:2, 24:14, 24:18, 25:17, 29:11, 32:18, 43:25, 44:2, 50:16, 79:8, 96:17, 96:19, 97:5, 97:5, 99:4, 99:8 muscles 6:1, 24:11, 24:11, 24:25, 36:9, 79:1, 82:14, 82:23, 87:18, 96:18, 97:7, 97:8 musculature 97:7 musculoskeletal 5:23 Myo 43:24 myself 13:3, 13:19	17:24, 90:5, 94:11, 94:14, 94:16, 94:17, 95:2, 96:1, 111:11 nerves 79:2, 82:14, 82:23, 85:14 neurological 25:15, 29:7, 47:15, 50:13, 94:11 neurologist 42:1 Ninety 91:7 noncompliant 86:22, 87:8, 87:10 NONE 3:19 nor 65:16, 87:10, 104:10 normal 17:23, 19:3, 19:8, 23:16, 23:17, 24:6, 25:9, 25:16, 25:17, 25:17, 27:17, 28:10, 29:12, 29:17, 30:7, 35:6, 35:10, 45:1, 45:3, 45:5, 45:16, 47:14, 47:16, 47:21, 50:16, 52:8, 53:5, 59:3, 59:7, 59:18, 90:10, 90:12, 90:25, 91:2, 91:4, 91:15, 91:21, 92:7, 92:25, 93:7, 93:16, 94:18, 94:20, 94:25, 100:1, 100:9, 100:11, 100:25, 101:2 normally 10:17, 26:10, 30:18, 47:22, 47:25 Notary 1:12, 4:14, 4:22, 115:4, 115:22 notation 46:16 notations 59:11 notches 26:7 note 45:13, 46:6, 57:15, 69:17, 76:11, 77:11, 93:21 noted 23:13, 23:16, 24:24, 25:16, 25:19, 26:3, 26:23, 29:8, 29:17, 33:16, 33:23, 42:22, 44:23, 45:2, 46:25, 47:14, 47:16, 54:11, 55:22, 59:12, 59:17, 59:21, 75:14, 93:10, 94:23, 100:9 notes 15:14, 114:10 nothing 5:5, 111:20, 113:18, 115:8 noticed 23:10, 25:13, 76:2 notions 15:1	101:22, 101:25 November 34:6, 43:15 O object 55:8, 55:11, 56:21, 57:7, 58:8, 58:10, 58:12, 58:14, 83:14 objected 57:23, 57:25, 58:7 objecting 57:9 objection 17:8, 17:11, 18:2, 18:6, 18:20, 19:4, 19:9, 27:18, 34:7, 34:11, 34:16, 34:21, 35:7, 35:11, 37:7, 39:19, 41:17, 41:22, 42:5, 48:21, 48:24, 49:10, 51:1, 52:25, 54:15, 54:21, 55:3, 55:21, 56:5, 56:17, 57:7, 57:8, 57:14, 57:18, 58:22, 58:23, 59:4, 59:8, 59:15, 59:19, 62:2, 62:9, 62:14, 62:19, 62:21, 84:2, 84:18, 84:23, 85:1, 85:8, 85:15, 85:18, 109:9, 110:22, 111:7, 111:17 objectionable 63:16 OBJECTIONS 3:12 objective 45:18, 45:21, 45:24, 46:2, 46:4, 46:7, 74:14, 74:15, 74:19, 74:20, 75:6, 95:17, 99:15, 102:11, 112:3 objectivity 101:12 obligation 8:14 observation 40:3, 45:12 observe 46:5 obtain 10:20, 13:21, 51:21 obtained 10:13, 17:6, 19:6, 21:9, 22:10, 31:12, 35:5, 39:9, 40:22, 53:21, 53:22, 54:10, 54:12, 54:25, 56:1, 59:3, 59:7, 60:2, 88:23, 90:2, 109:1 obtaining 6:16, 13:23, 13:25, 89:15, 104:22 obviously 6:6, 67:14 occasion 12:9, 13:12, 66:23,
--	--	---	---	--	--

KEYWORD INDEX - DENNIS B. BROOKS

<p>occasions 41:11, 42:2</p> <p>occupant's 81:5</p> <p>occupants 81:21</p> <p>occur 83:11, 83:11, 83:20</p> <p>occurred 19:24, 42:18</p> <p>October 56:15</p> <p>off-the-job 21:19</p> <p>off-the-record 90:15, 112:24</p> <p>office 12:9, 13:3, 13:6, 14:6, 16:18, 34:19, 66:16, 66:20, 69:1, 70:13, 70:17, 71:1, 72:8, 72:9, 72:11, 73:14, 73:19, 74:7, 74:10, 75:5, 76:4, 76:7, 78:2, 103:17, 109:11, 115:18</p> <p>offices 4:9</p> <p>Ogunro 41:25, 42:3, 59:12, 72:21, 77:20</p> <p>Ogunro's 53:23, 59:10, 72:6, 72:11</p> <p>Ohio 1:1, 1:13, 1:18, 4:11, 4:15, 5:13, 9:13, 9:16, 115:1, 115:18</p> <p>on-the-job 21:19</p> <p>one-time 66:10</p> <p>ones 14:4</p> <p>onto 23:15, 30:21, 44:25, 49:13, 49:17, 50:1, 50:1</p> <p>opathy 43:25</p> <p>opinion 35:21, 35:25, 36:22, 37:1, 37:2, 37:3, 37:9, 37:12, 37:14, 37:15, 38:4, 38:8, 38:9, 55:17, 60:6, 60:10, 60:11, 60:20, 60:24, 60:25, 61:3, 61:6, 61:7, 61:10, 61:14, 61:15, 61:19, 61:23, 62:6, 62:10, 62:11, 62:12, 97:20, 110:19, 110:24</p> <p>opinions 62:18, 70:6, 110:1, 110:10, 110:12, 110:13</p> <p>opposed 68:22, 79:11, 86:6, 102:18</p> <p>opposite 28:7</p> <p>oral 11:2</p> <p>order 6:5, 10:21, 14:19, 14:25, 22:6</p> <p>ordered 34:14, 42:3</p> <p>organization 10:7, 10:10,</p>	<p>organizations 9:2, 9:12</p> <p>organs 79:11</p> <p>orthopedic 5:16, 5:17, 5:21, 5:22, 7:3, 8:12, 8:17, 8:19, 9:8, 9:22, 10:2, 10:3, 10:12, 10:23, 11:22, 23:3, 63:10, 97:2, 99:16, 109:18, 112:18</p> <p>orthopedics 8:5, 9:9, 9:9, 10:24</p> <p>Osgood-schlatter 31:21, 32:3, 32:22</p> <p>others 12:5, 77:3</p> <p>otherwise 115:14</p> <p>outlining 36:12</p> <p>overweight 26:19, 44:22</p>	<p>paraspinal 97:8</p> <p>part-time 22:12, 22:18</p> <p>participate 10:3</p> <p>sarticular 18:17, 36:20, 45:13, 68:23, 76:22, 78:14, 81:21, 83:16, 88:22, 107:6, 110:2</p> <p>particularly 9:2, 22:9, 23:24, 33:11, 41:19</p> <p>parties 4:8, 4:18, 4:20</p> <p>party 115:14</p> <p>pass 12:3</p> <p>passed 12:1, 79:24</p> <p>passenger 15:20</p> <p>past 13:13, 14:3, 21:10, 43:5, 43:18</p> <p>patella 30:1, 32:10</p> <p>patellar 29:9, 32:9, 32:21, 32:21, 50:14</p> <p>patient 13:6, 14:18, 22:5, 22:24, 45:24, 46:4, 46:6, 48:9, 49:4, 74:16, 75:14, 76:12, 77:12, 77:25, 78:1, 78:8, 78:13, 79:15, 80:18, 81:1, 81:1, 81:3, 86:10, 86:15, 86:20, 87:22, 94:7, 96:13, 99:18, 100:6, 100:7, 101:20, 105:20, 105:23, 106:8, 106:8, 108:14, 109:23, 113:2, 113:5</p> <p>patient's 78:14, 86:9</p> <p>patients 5:23, 5:24, 6:2, 74:12, 76:22, 78:22, 84:14, 85:25, 86:3, 86:5, 86:8, 86:22, 86:25, 87:8, 87:10, 87:11, 88:3, 102:2, 102:17, 104:16, 107:12, 108:2, 108:4, 108:8, 110:2, 111:3</p> <p>paused 58:4</p> <p>pay 42:17</p> <p>pelvis 52:20</p> <p>pending 1:17</p> <p>Pennsylvania 66:24</p> <p>per 48:15</p> <p>percent 45:6, 45:16, 46:16, 47:21, 91:21, 93:10, 100:25</p> <p>percentage 68:20</p> <p>perception 50:16</p>	<p>percussion 95:12</p> <p>perform 67:14, 113:8</p> <p>oerformance 63:6, 111:4</p> <p>performed 7:14, 23:7, 25:15, 26:10, 26:11, 26:12, 29:7, 29:18, 35:10, 35:17, 41:15, 43:13, 44:15, 44:16, 47:14, 47:22, 47:24, 53:25, 60:3, 111:6, 113:12</p> <p>performing 89:15, 104:22, 113:5</p> <p>perhaps 45:21, 69:10, 81:7</p> <p>period 8:12, 16:13, 36:10, 40:10, 53:18, 56:2, 56:3, 76:8, 76:12, 79:24, 106:12</p> <p>permanent 38:6, 38:11, 61:12, 61:17</p> <p>personally 31:13, 77:15</p> <p>persons 67:18, 68:10</p> <p>pertaining 14:7</p> <p>pertains 38:14</p> <p>pertinent 14:20</p> <p>phrase 51:3, 53:1, 62:5</p> <p>physical 14:18, 14:22, 16:15, 16:20, 23:2, 40:23, 63:6, 71:10, 81:15, 89:16, 92:11, 99:6, 100:16, 102:11, 104:22</p> <p>physician 24:4, 33:16, 33:23, 78:1, 78:1, 78:4, 78:7, 87:3</p> <p>physician's 87:25</p> <p>physicians 10:7, 19:20, 41:14, 74:1, 77:7, 77:16, 86:22, 96:24, 97:1, 108:20, 109:19</p> <p>Picking 18:24</p> <p>piece 72:20</p> <p>placed 39:25</p> <p>plain 88:13, 88:18, 89:9</p> <p>plaintiffs 1:7, 2:4, 12:11, 63:22</p> <p>plan 86:19, 86:20, 87:1, 87:12</p> <p>planes 92:8</p> <p>plateau 30:2</p> <p>Pleas 1:1, 1:17</p> <p>please 5:11, 25:11, 38:17, 38:20, 39:12, 47:12, 55:15, 56:11, 58:17, 80:15, 93:17, 112:20</p>	<p>33:4, 38:16, 38:17, 47:5, 51:16, 57:14, 57:22, 85:10, 96:12, 97:23, 98:2, 98:5, 98:16, 98:22, 113:3</p> <p>ointed 87:3</p> <p>soints 96:12, 96:20, 96:23, 97:3, 97:6, 99:4</p> <p>solite 55:7</p> <p>popular 49:24</p> <p>portion 22:8, 28:24</p> <p>position 27:24, 28:15, 30:21, 49:12, 49:20, 50:2</p> <p>positive 29:10, 47:25, 63:9, 100:18, 113:7</p> <p>possibility 98:13</p> <p>possibte 82:7, 83:22, 85:3</p> <p>post-traumatic 59:13</p> <p>posterior 40:15, 40:16, 42:16</p> <p>postgraduate 7:3, 10:22</p> <p>pounds 23:13, 44:23</p> <p>practice 5:18, 5:21, 8:8, 8:17, 8:19, 9:16, 9:22, 10:24, 63:10, 66:20, 66:23, 69:5, 77:6, 77:25, 78:12, 78:21, 86:2, 88:3, 102:5, 104:15, 108:1, 109:17</p> <p>pre-accident 43:6</p> <p>pre-existing 104:3</p> <p>preconceived 15:1</p> <p>prefer 38:18</p> <p>preliminarily 39:1</p> <p>prepare 15:8</p> <p>preparing 104:23</p> <p>prescribe 87:16, 87:17</p> <p>prescribed 10:23, 16:15</p> <p>prescription 16:8</p> <p>presence 4:21, 4:22, 90:10, 94:15, 97:4, 115:10</p> <p>present 14:4, 14:23, 20:18, 42:11, 56:3</p> <p>presented 63:5</p> <p>presently 10:1, 44:7</p> <p>pressing 17:24</p> <p>pressure 25:2, 48:5, 49:18, 98:18</p> <p>prevent 99:22</p> <p>prevents 72:23</p> <p>previous 54:16, 54:16, 103:3, 103:3</p> <p>previousty</p>	<p>principles 7:11</p> <p>prior 21:11, 21:19, 47:7</p> <p>private 8:17, 8:18</p> <p>privilege 11:21</p> <p>probability 29:2, 31:24, 32:1, 35:22, 36:23, 37:10, 37:24, 51:10, 51:13, 52:16, 53:7, 53:10, 54:14, 54:20, 55:18, 60:6, 60:21, 61:4, 62:17</p> <p>probably 77:1</p> <p>problem 14:21, 14:24</p> <p>problems 5:23, 5:25, 6:2, 21:21, 44:9</p> <p>procedure 1:16, 18:16, 113:2</p> <p>proceed 14:15, 38:20, 58:17</p> <p>proceeding 115:15</p> <p>proceedings 114:9</p> <p>process 11:19, 13:25, 21:8, 21:9, 48:3, 52:9, 53:5</p> <p>processes 26:6, 47:19, 97:16</p> <p>profession 5:14, 110:5</p> <p>professional 5:11</p> <p>professor 10:2</p> <p>program 6:18, 10:4, 10:22</p> <p>project 7:17, 7:19</p> <p>projects 7:15, 7:16</p> <p>prominence 32:8</p> <p>pronounce 53:24</p> <p>protect 57:5, 57:10, 58:15</p> <p>provide 71:9, 71:13, 106:7</p> <p>provided 70:7, 70:13, 70:18, 71:1, 71:5, 72:7, 72:9, 73:18, 76:1, 76:4, 76:5, 76:20, 76:21, 92:11, 103:16, 103:19, 103:20</p> <p>providing 65:22, 77:12</p> <p>proximal 47:21</p> <p>puberty 17:16, 32:5</p> <p>Public 1:13, 4:14, 4:23, 115:4, 115:22</p> <p>pulling 93:22</p> <p>purpose 65:21, 65:24, 94:10</p> <p>purposes 13:10</p> <p>pursuant 1:14, 1:15</p> <p>putting 89:16</p>
					Q
					quadriceps 29:19, 32:19

questioned 108:17 quite 36:11 quote 19:2 20:3, 20:5, 20:18, 20:22, 20:23, 22:15, 34:23, 39:16, 40:4, 40:5, 40:18, 40:25, 41:1, 41:6, 41:8, 41:9, 41:12, 41:15, 41:19, 41:21, 42:3, 42:4, 42:12, 42:19, 43:1, 44:10, 59:12 quoting 15:25	reading 58:8, 113:20 ready 8:8, 8:9, 33:5, 53:12 really 14:25, 17:18, 48:15, 62:3, 65:2, 72:14, 72:19, 88:10, 91:8, 111:13 reason 11:13, 11:15, 13:23, 14:12, 14:14, 30:16, 57:4, 57:9 reasonable 29:2, 31:23, 31:25, 35:21, 36:23, 37:10, 37:23, 38:5, 51:9, 51:12, 52:15, 53:6, 53:9, 54:13, 54:19, 55:18, 60:5, 60:21, 61:4, 61:11, 61:20, 61:23, 62:6, 62:16, 62:23 reasons 90:8 recalled 40:17 receive 11:5, 81:12 received 16:12, 16:16, 16:17, 16:21, 40:9, 40:25, 71:11, 104:11 recertification 11:19, 11:25 recertified 11:9, 11:12, 12:3 recess 63:18 recollection 70:16, 104:21 recommendation 16:12, 42:1 recommendations 72:24 record 33:13, 33:14, 57:5, 57:11, 58:15, 72:9, 72:11, 72:21, 73:4, 90:13, 98:4, 105:18, 112:22 recorded 100:19 records 14:7, 14:9, 14:22, 15:3, 15:14, 33:5, 33:11, 35:14, 35:18, 53:13, 53:15, 53:17, 53:19, 53:23, 54:1, 54:3, 56:9, 56:20, 58:19, 59:10, 59:21, 59:24, 60:4, 63:9, 70:7, 70:11, 70:12, 70:17, 70:24, 71:5, 71:6, 71:10, 71:12, 71:15, 72:7, 73:12, 73:19, 75:14, 76:2, 76:4, 76:20, 76:21, 76:25, 77:2, 77:7,	87:4, 92:11, 92:13, 92:15, 93:21, 99:2, 99:7, 100:17, 100:19, 104:23, 106:3, 106:8, 109:8, 109:12 recover 37:25, 61:1, 61:5, 61:9 recovered 37:11, 37:16, 60:22, 110:20 recovering 84:21 RE CROSS 3:9, 111:22 ied 17:2, 17:3, 22:14, 22:14, 22:16 redirect 3:8, 107:23, 108:1 refer 15:13, 23:20, 52:10 referable 21:17, 34:25, 40:14, 40:20, 43:3, 43:11, 52:20, 56:20, 58:20, 59:23, 60:16 referred 10:17, 16:9, 16:20, 22:13, 31:10, 40:23, 51:20, 78:1, 79:10 referring 75:10 refers 43:24, 43:25, 43:25 reflected 99:3 reflection 109:11 reflex 29:9, 50:15, 110:16, 110:16 reflexes 25:17, 29:11, 50:14, 94:3, 94:13, 94:20, 94:24, 95:6, 95:8, 95:11, 95:21, 95:23, 110:9, 111:10, 111:16, 111:25 regard 44:19 regarding 36:13 regardless 105:23 regards 22:9 regenerate 84:21 region 96:15 Regional 16:6, 33:14, 53:18, 92:12, 93:3, 93:20 Registered 114:14 regular 65:3 rehabilitation 96:24 reinforce 94:19 relate 29:3, 31:24, 32:1, 51:10, 51:13, 52:16 related 36:24, 37:4, 53:7, 53:10, 54:20, 55:19, 62:8 relating 18:11, 19:12, 94:24 relative 115:14 relatively 76:12 relax 49:16, 87:18 relaxes 28:9	39:8, 39:12 relayed 15:17, 18:25, 21:15, 35:16, 35:19, 36:13, 36:24 relaying 22:3 released 16:7 relevance 83:16 relevant 113:5 relieve 49:21 remain 20:24 remarks 73:25 render 110:1 rendered 39:22, 55:17, 71:17, 110:10, 110:12 repaired 25:22 rephrase 104:8, 104:10 report 15:8, 35:4, 53:24, 65:25, 73:14, 73:17, 76:3, 76:5, 104:23 reported 55:19, 85:19, 91:23, 95:24, 108:21, 108:22 reporter 58:2, 114:14 REPORTER'S 114:5 reports 53:21, 109:4, 109:6, 109:7, 109:10 represent 63:22 request 12:9, 13:13, 67:19, 67:23, 68:12, 68:12, 68:17, 105:20, 106:7 required 11:14 requirements 11:3 requires 46:4 research 7:5, 7:15 Reserve 6:13, 7:6, 10:2 reside 66:25 residency 6:18, 7:25, 8:2, 10:3 resident 7:2, 7:4 residual 31:20, 47:7 residuals 110:21 resists 100:7 resonance 18:15 respect 14:23, 20:4, 70:14, 100:3, 103:18 responded 69:23 responding 70:2 response 54:16, 55:7, 57:8, 95:15, 100:6, 101:19 responses 63:7 responsibilities 9:23 responsibility 66:6 restrained 15:22, 39:17 restricted 27:1, 27:6, 27:15, 47:20, 90:21, 91:10, 91:18, 91:20 result 36:3, 39:16, 49:8, 60:7, 60:12,	results 76:14, 81:24 resuming 113:6 retired 43:15 return 22:16, 22:17, 71:2 returned 8:16, 16:24, 17:3, 41:7, 70:20 revealed 17:6, 44:21, 50:14, 54:3 reverse 14:25, 49:17 review 31:13, 33:5, 35:13, 35:18, 51:23, 53:13, 60:3, 63:9, 71:14, 71:19, 71:21, 71:22, 71:23, 72:3, 74:1, 74:5, 75:14, 76:19, 90:1, 92:14, 100:16 reviewed 33:13, 33:25, 34:4, 35:2, 35:4, 53:16, 53:17, 53:19, 53:21, 53:23, 59:10, 59:25, 70:25, 109:2, 109:5, 109:10 reviewing 53:16, 54:2, 56:8, 73:12, 76:2, 76:16, 76:17, 81:2, 89:16, 104:23, 106:3, 106:8 reviews 57:6, 57:6 riding 15:19 rightfully 11:23 Road 2:6, 4:11, 5:13 role 65:8, 86:12 roles 87:25 room 33:14, 33:15, 33:16, 33:23, 34:9, 52:13, 55:19, 56:8, 71:6 root 90:5, 94:14, 94:16, 94:17, 95:2, 96:1, 111:11 roots 6:4, 17:24 rotating 6:24 rotation 25:5, 45:6, 45:6, 46:17, 47:3, 47:24 rotator 43:12 routine 88:12, 89:11, 102:6 routinely 96:22 rubber 82:15, 82:18 rule 81:8, 90:5, 95:2 Rules 1:16	says 5:7 scan 53:22, 59:6, 71:19, 71:22 scans 71:18, 72:3, 72:3, 108:21, 109:3 scar 25:19, 84:24, 85:7, 85:13, 85:13, 85:16, 85:22 scars 46:25 scene 79:19 schedule 107:18 sciatic 26:6 scoliosis 17:7, 17:13, 17:14 se 48:15 seal 115:18 seat 49:25, 49:25 secretarial 49:23 section 21:23 seeing 13:9, 14:10, 109:15 seems 7:8, 27:13, 76:3 self 84:22 self-limiting 37:21 sensation 42:20, 93:22 sense 28:14, 28:19, 48:12 sensory 25:17, 29:11, 50:16 separate 107:1 September 16:24 sequence 39:10 serious 80:6 served 7:12, 8:12 servers 22:16 service 105:2, 106:6, 106:7 services 104:25 several 21:23, 49:24, 55:25, 97:17 severe 36:8, 80:2, 80:22, 81:9 severity 81:13 shared 15:10 Sharon 16:6, 33:14, 40:2, 53:17, 66:24, 71:6, 71:10, 73:25, 74:2, 75:13, 92:11, 93:2, 93:9, 93:20, 99:7, 100:17 sharp 20:7 shawl 24:17 she's 18:11, 18:12, 19:12 shinbone 32:20 shirt 46:7 short 26:19 shorten 38:25, 51:4 shorthand 4:13 shoulder 24:16, 24:16, 24:19, 43:13, 44:12, 46:11, 46:13, 46:24, 47:2, 47:8 showed 55:1, 99:8 shown 18:1, 114:10
R				S	
radiated 42:15 radiating 40:14, 95:25, 96:8, 96:8 radiation 20:12, 20:21, 42:17, 42:22, 43:2, 59:11 radiographic 55:22 radiographs 14:19, 31:11, 31:19, 32:25, 33:4, 33:25, 40:23, 51:19, 51:21, 54:9, 54:12, 54:25, 56:1, 74:24, 74:25, 75:9, 88:12, 88:22, 90:1, 108:24, 108:25 radiologist 31:10, 51:20, 73:24, 108:23 radiologists 108:24, 109:2 raise 28:4, 99:25, 100:1 raising 26:24, 26:25, 27:5, 27:6, 27:10, 28:12, 50:6, 50:7, 50:11, 50:17, 51:6, 99:12, 100:10, 100:11, 100:13, 100:19 ran 42:3, 50:20 range 25:4, 26:17, 47:2 49:5, 77:6, 90:17, 91:1 91:4, 91:13 92:1, 92:6, 92:7, 92:13 101:13, 110 7, 110:15 rank 8:11 rate 58:18 rather 22:13, 43:19, 55:8 re-evaluated 41:5 re-examined 16:19, 16:23, 34:18, 41:8, 41:9 reach 6:5 reached 26:9,				sacroiliac 26:6 sailor 101:6 sake 12:22 Sam 8:15	

KEYWORD INDEX - DENNIS B. BROOKS

<p>91:13 sides 24:10, 93:4 sign 26:25, 50:7, 50:9 signature 4:17, 113:19, 113:23, 115:11 signed 72:22 significance 26:15, 27:20, 27:22, 33:11, 45:10, 45:13, 53:2, 53:4, 111:16 significant 113:7 signs 102:11 similar 14:4, 44:15, 67:18 simply 51:4, 81:7 simultaneous 27:2, 27:8 sinai 4:10, 6:25, 7:2, 8:25, 10:4 single 111:13 sit 49:13, 49:16, 49:25 site 113:3, 113:4, 113:6, 113:8 sitting 26:23, 27:24, 42:13, 49:25, 50:1, 50:5, 100:11 situation 24:5, 49:9, 50:23 six 38:2, 44:23, 61:8 six-week 36:10 skin 48:7, 98:17 slight 47:2 slightly 29:10 slipped 17:9, 17:17 snap 82:5 snapped 81:25, 82:2 sneezing 42:21 society 9:8, 9:10, 9:12, 9:13 soft 5:25, 18:17, 78:22, 78:25, 79:10, 79:14, 80:2, 81:9, 82:13, 82:22, 83:10, 83:23, 85:5, 88:10, 88:16, 88:17, 88:20, 88:25, 89:2, 97:6, 102:10, 111:12 somebody 32:14 someone 13:3, 13:17, 37:25 somewhat 38:25, 44:22, 45:8, 98:5 soreness 75:22 sorry 18:8, 42:8, 54:15, 54:17, 62:3, 72:14, 79:20, 83:19, 89:22, 92:19, 112:14 sort 65:22 sounds 98:1 spasm 23:18, 23:23, 23:24, 23:25, 24:1,</p>	<p>26:4, 45:4, 47:17, 96:19 spasms 96:12, 96:17, 97:5, 97:5, 99:4, 99:8 speak 48:8, 52:6 speciatly 5:17, 9:3, 10:8 specific 20:8, 20:19, 21:5, 31:8 specifically 7:8, 71:4, 85:9, 97:8 specified 1:15 spent 7:1, 36:11, 69:9, 78:19, 111:1 spine 6:3, 7:19, 17:14, 18:18, 18:22, 19:2, 20:17, 23:5, 23:16, 23:20, 24:12, 25:12, 26:3, 26:18, 26:20, 28:8, 31:11, 31:12, 31:12, 34:14, 34:15, 35:5, 35:9, 36:14, 41:14, 45:2, 47:13, 49:5, 49:19, 50:11, 51:21, 51:22, 52:20, 53:21, 55:2, 55:24, 59:14, 75:6, 90:18, 90:23, 90:24, 91:1, 91:4, 91:18, 92:2, 92:4, 92:7, 92:21, 92:22, 93:6, 93:18, 94:25, 97:13, 99:9, 101:13, 101:14, 108:25, 110:8 spinous 26:6, 47:19, 48:3, 97:16 spoke 27:15, 49:1 spoken 74:14 sprain 34:24, 59:13, 84:6, 84:6, 89:10, 89:12 sprains 89:4, 89:6 Springer 2:9, 2:10, 3:6, 3:8, 3:16, 5:9, 18:5, 18:8, 18:10, 18:13, 38:15, 38:21, 57:10, 57:13, 57:17, 58:3, 58:13, 63:13, 63:17, 65:10, 67:11, 67:19, 67:20, 68:1, 71:5, 80:15, 83:4, 83:8, 83:13, 84:2, 84:4, 84:18, 84:23, 85:1, 85:8, 85:15, 85:18, 89:20, 89:22, 103:18, 106:11, 106:20, 107:9, 107:24,</p>	<p>113:22 Springer's 57:6, 68:11, 70:13, 70:17, 71:1, 103:17 spurring 52:5, 52:7, 53:3 squat 29:18 SS 1:1, 115:1 staff 8:21 stage 39:2, 102:18, 103:1, 103:1 stand 101:4 standard 105:19, 105:22 standing 29:17, 30:21 stands 18:15 start 8:8, 12:24 started 67:25 starting 13:15 state 1:1, 1:13, 1:18, 4:14, 5:10, 9:13, 9:16, 102:18, 115:1, 115:5 stated 14:21, 23:11, 39:24, 44:21, 70:6, 97:5 statement 65:23, 76:23 states 8:11 steering 39:22 stenotype 114:10, 115:9 step 8:15 steps 21:4 stiff 43:1 stiffness 75:18 stim 41:2 stipulated 4:7, 4:12 STIPULATIONS 4:5 stole 89:21 stood 30:22 stop 23:19, 81:19, 87:17, 100:8 stopped 15:20, 39:15 straight 26:23, 26:25, 27:4, 27:6, 27:9, 28:12, 30:23, 39:25, 50:5, 50:7, 50:11, 50:17, 51:5, 99:12, 100:10, 100:11, 100:13, 100:18 straighten 27:25, 29:23, 30:19 straightened 74:17, 75:4 straightening 74:18, 75:1, 75:2 strain 34:1, 34:1, 36:2, 36:8, 37:17, 60:12, 83:1, 84:6, 84:6, 84:10, 84:13, 84:17, 89:19, 90:9, 90:12 strains 89:4 strength 25:17,</p>	<p>stretched 82:17, 82:24, 85:6 stretching 83:1 strike 18:4, 19:5, 19:10, 30:20, 34:12, 34:17, 34:22, 35:8, 35:12, 39:20, 41:18, 41:23, 42:6, 48:25, 51:2, 54:16, 54:23, 55:4, 55:12, 56:6, 56:18, 58:24, 59:5, 59:9, 59:16, 59:20, 61:21, 62:15, 63:2, 63:12, 109:13, 110:23, 111:8, 111:18 stroked 98:17 stroking 48:7, 48:10 struck 15:21, 39:15, 39:21 structure 32:7, 32:17 structures 78:23, 78:25, 79:14, 80:3, 82:13, 82:22, 83:10, 83:23, 85:6, 88:17, 88:25 studies 88:19, 89:1 subject 45:23 subjective 45:18, 45:20, 45:23, 45:25, 46:2, 46:3, 46:10, 46:12, 46:18, 74:15, 94:1, 99:17, 101:14, 101:17, 101:19, 102:1, 102:5, 111:2 subjectivity 101:12, 101:22 submit 15:8 submitted 33:5 subscribed 4:22 subsequent 43:17 subsided 102:15 substantiate 36:16, 60:17 suburb 66:17 sudden 81:20, 82:21, 82:21 suddenly 81:25 sufficient 76:18, 78:10 suggestions 110:1 Suite 4:11 summarized 34:5, 35:3 summer 66:10 supine 26:25, 27:4, 27:6, 27:9, 28:11, 28:14, 50:7, 99:19, 100:13 supply 83:24 supposed 43:21, 80:23, 80:24 surety 104:24 surgeon 5:16, 5:22, 8:12, 63:10, 109:18</p>	<p>97:2 surgery 5:17, 5:21, 7:2, 7:4, 8:17, 8:19, 9:22, 10:2, 10:12, 10:23, 11:22, 43:14, 44:12, 44:12, 47:8, 47:9, 103:23 sustain 38:10 sustained 21:19, 24:1, 35:22, 36:1, 37:12, 37:18, 38:6, 43:12, 60:7, 60:11, 60:23, 61:12, 75:6, 75:24, 88:16, 88:21, 88:25 sustains 79:16, 80:18 sutures 25:22 swayback 26:20 swelled 20:24 swelling 102:15 swollen 20:24, 102:10 sworn 5:4, 115:7 symmetrical 94:20, 94:25 symmetry 111:9 symptom 40:19 symptomatic 20:4 symptoms 14:3, 20:7, 20:9, 20:21, 21:17, 40:13, 40:19, 42:12, 42:13, 42:23, 43:1, 43:3, 43:10, 56:20, 58:20, 60:15, 62:20 system 5:24 systems 7:11</p>	<p>ten 11:18 tend 55:10, 95:2 tenderness 21:2, 24:24, 25:14, 26:5, 29:25, 45:4, 47:1, 47:18, 48:2, 48:8, 48:14, 63:8, 96:12, 96:12, 97:6, 97:12, 97:15, 98:10, 98:15, 98:16, 101:17 tendon 25:16, 29:9, 29:10, 32:9, 32:19, 32:21, 50:14, 50:15, 95:6, 95:8, 95:11, 95:21, 110:9, 110:16, 111:10, 111:15, 111:24 tendons 6:1, 79:2, 79:7, 82:14, 82:23 Tenormin 44:7 term 17:13, 17:17, 17:18, 23:23, 36:5, 36:7, 88:4, 92:18, 97:3, 102:22 terms 17:12, 30:5 test 26:12, 47:25, 49:1, 49:2, 49:3, 49:3, 49:16, 51:6, 63:9, 99:16, 99:17, 99:18, 100:21, 101:5, 101:7, 101:8, 101:9, 111:4, 112:6, 112:8, 112:10, 112:15, 112:17, 112:18, 112:18, 113:1, 113:7, 113:9 tested 94:3, 99:12 testified 67:22, 68:11, 97:17, 108:7 testify 5:4, 65:25, 67:9, 108:10, 115:7 testifying 67:5 testimony 22:23, 57:6, 68:20, 85:10, 89:18, 114:8, 114:9, 114:11 tests 27:22, 31:6, 31:9, 34:13, 35:17, 42:3, 50:20, 60:3, 101:12, 101:12, 101:13, 101:23, 102:1, 102:1, 102:4, 102:5, 113:12 thank 15:15, 32:15, 58:25, 63:13, 63:25, 64:1, 64:3, 73:7, 73:8, 107:21, 111:19, 112:5, 113:15, 113:16, 113:22 themselves</p>
--	---	--	--	---	---

KEYWORD INDEX - DENNIS B. BROOKS

therapy 16:15, 16:20, 16:21, 40:23, 71:11, 92:11, 99:6, 100:17 there's 26:17, 69:6, 77:6, 79:22, 85:9, 94:10, 98:20 thereafter 34:19 therefore 49:19, 76:15, 110:24, 111:5 therein 1:15 thereupon 4:19 they're 13:20, 70:20, 71:12, 79:10, 80:24, 82:11, 84:1, 102:12 thigh 32:18, 42:16 thing 13:18, 52:24, 73:13 third 7:2, 7:18, 99:9 thoracic 25:12, 31:11, 36:14, 47:13, 90:18, 92:6 thoracolumbar 59:14 thorough 69:8 throbbed 40:18 throbbing 42:11 throughout 33:19 thunder 89:21 tibia 32:19 tibial 30:2, 32:7, 32:7, 32:20, 32:23 tibias 26:9, 47:21 tight 27:23, 29:1, 46:8, 46:9, 50:23, 51:8, 51:10, 51:13 time-limited 11:17 tingles 42:19 tissue 78:22, 78:25, 79:11, 79:14, 80:2, 82:13, 82:22, 83:10, 83:20, 83:23, 84:21, 84:25, 85:5, 85:7, 85:13, 85:14, 85:16, 85:22, 85:23, 88:10, 88:17, 88:17, 88:21, 88:25, 89:2, 97:7, 102:11 tissues 5:25, 18:17, 79:10, 81:10, 84:16, 102:9, 111:12 today 67:5, 80:19 toe 26:10, 47:24 toes 91:6 top 24:15, 24:19 tops 91:5 torn 84:1, 84:16, 85:6 torso 47:23 total 105:13 toto 105:10 touch 91:5, 91:5	tougher 85:23 track 68:23 train 55:10 training 7:3, 7:9, 10:22, 35:20, 60:5 transcribed 4:15 transcript 4:17, 114:8 transcription 4:16 trapezius 23:18, 23:24, 24:8, 24:14 trauma 33:24, 79:15, 79:15 Traumatology 9:9 treat 5:22, 40:24, 78:22, 107:18, 108:15 treated 16:7, 19:19, 40:5, 41:13, 76:7, 77:16, 77:25, 87:22, 98:21, 104:4 treating 65:21, 74:1, 86:12, 87:1, 87:3, 87:13 treatment 7:18, 13:9, 16:13, 16:16, 16:18, 16:18, 16:22, 34:5, 35:3, 40:10, 40:25, 41:2, 41:7, 65:2, 65:17, 69:3, 76:15, 76:16, 76:18, 77:12, 78:2, 78:5, 86:9, 86:16, 86:17, 86:19, 86:25, 87:12, 87:19, 88:4, 104:12, 107:19, 110:1 treatments 41:1 trigger 96:12, 96:20, 96:23, 97:3, 99:4 tripod 26:25, 50:7 true 13:8, 49:5, 64:15, 64:17, 64:18, 65:5, 66:1, 66:4, 70:3, 70:23, 73:15, 74:9, 74:11, 74:17, 74:22, 76:8, 76:9, 77:1, 77:5, 78:12, 78:14, 79:5, 81:10, 81:22, 83:18, 85:2, 85:17, 88:14, 90:6, 94:15, 95:3, 95:4, 95:18, 96:19, 97:5, 97:19, 99:4, 99:8, 99:19, 99:20, 100:2, 114:8 truly 80:25 trunk 92:16 truth 5:4, 5:5, 5:5, 115:8, 115:8, 115:8 truthful 111:5	32:8, 32:20, 32:23 turn 38:24, 42:4 twisted 82:24, 84:16 twisting 83:2 two-year 8:12 type 7:9, 38:6, 44:15, 49:8, 50:19, 52:23, 88:13, 88:20, 89:2 types 31:9, 37:19, 79:18, 82:8, 108:5 typically 90:4 U unable 21:3, 26:13 unaware 93:20 Uncle 8:15 uncomfortable 98:6, 98:14 unconscious 16:3, 39:23 undergone 44:11 understand 36:6, 43:20, 62:3, 65:7, 79:20, 81:8, 104:6, 110:18, 113:1 understood 22:22 underwent 18:18, 18:22, 41:20 Unit 2:6 United 8:11 University 6:9, 6:14, 7:6, 8:5, 10:3 unless 83:14 unquote 20:4, 20:6, 20:23, 20:23, 40:6, 40:18, 41:1, 41:3, 41:10, 41:12, 41:16, 41:20, 41:21, 42:4, 42:11, 42:20 unrelated 53:5 unusual 110:4 upon 29:1, 35:16, 35:18, 35:19, 35:21, 36:22, 37:9, 37:23, 38:5, 51:5, 51:9, 53:6, 54:13, 55:17, 60:2, 60:15, 60:20, 61:3, 61:10, 61:18, 61:20, 61:23, 62:6, 62:16, 70:6, 89:2, 97:12, 109:18, 109:22, 109:25 upper 25:15, 47:15, 94:24 useful 76:10 using 42:14 usual 7:9, 9:12 usually 17:19, 94:20, 102:9, 102:15 utilized 49:4	V varies 105:8 variety 90:7 various 7:15, 30:5, 34:18, 48:6, 108:5, 109:3 vehicle 54:8, 60:7, 74:13, 79:16, 81:16, 81:18, 104:12 versus 101:12 vertebra 52:22 vertebral 17:23 videotape 113:21 view 70:25 viewing 113:20 visible 79:19, 79:24 visit 69:19 voluntarily 11:25 W wait 42:7, 58:14, 89:24 waited 57:19 waiting 57:11 waive 57:8, 113:19 waived 4:18, 113:23, 115:11 walk 30:17 walked 23:14, 30:17, 44:25 walking 26:10, 26:11, 30:18, 47:24, 47:24 wanted 88:7, 88:9, 94:19 wash 42:18 watched 30:17 weld 11:24 we'll 52:12, 89:25, 109:17 we're 11:23, 12:11, 79:1 we've 17:12, 101:2, 113:11 weakness 26:11, 44:2 wear-and-tear 52:8 wearing 46:7 week 16:17, 16:22, 20:8, 20:24, 40:25 weeks 16:10, 20:25, 34:10, 34:19, 38:2, 56:14, 61:8, 64:11 weighed 23:12 weight 24:21, 44:23 welcome 107:22, 111:21, 113:16 well-taken 57:18 weren't 30:8, 72:8 Western 6:13, 7:6, 10:2 what's 15:2, 31:20, 91:21, 112:17 whatever 38:15, 55:13 wheel 39:22	Whereupon 5:1, 63:18, 90:15, 112:24 whether 30:16, 31:23, 36:23, 37:10, 38:6, 46:8, 49:4, 60:21, 61:11, 61:20, 61:24, 62:7, 87:4, 88:8, 89:8, 89:9, 99:3, 99:8, 100:2, 100:18 who've 88:24 whole 5:4, 89:17, 115:8 wide 26:17 Willie 1:6, 12:10, 12:19, 12:20, 13:4, 38:25, 44:19, 44:20, 63:22, 64:6, 97:12 Wilson 2:10, 67:20 window 39:21 windshield 15:24 wish 38:16, 38:16 wishes 13:1 within 1:13, 1:18, 4:14, 87:13, 115:4 witness 4:21, 4:22, 55:6, 55:13, 56:22, 56:24, 57:3, 57:21, 57:24, 58:4, 58:8, 58:22, 58:25, 63:15, 66:3, 89:21, 107:22, 111:21, 113:16, 113:19, 115:10, 115:17 witness's 4:16 won't 38:25 wouldn't 13:25, 14:2, 66:18, 70:19, 75:7, 76:10, 80:5, 86:6, 88:18, 88:24, 89:4, 93:25, 97:11, 99:3, 99:7, 100:4, 100:17, 100:20, 103:17, 103:19 written 4:21, 11:2, 115:9 wrong 102:24, 102:25 wrote 76:6 X X-ray 52:1, 55:18, 73:22, 74:2, 74:5, 74:9, 74:21, 75:4, 88:9, 88:10, 88:19, 89:1, 89:5, 89:7, 89:9, 89:11, 89:14, 89:19 X-rayed 73:23 X-rays 14:19, 31:14, 31:17, 51:19, 51:23,	73:21, 73:25, 88:8, 88:10, 88:13, 88:14, 89:16, 89:23, 108:18, 108:21 Y yeah 47:6, 55:16 Yeoman's 101:5, 101:6, 101:8 yet 82:5 You'll 57:15 young 29:6, 33:3 Youngstown 2:6, 2:11, 80:19, 115:18 yourself 51:24, 71:19, 72:4, 74:1, 86:1
---	---	--	---	--	--

STIPULATIONS

It is stipulated and agreed by and between counsel for the parties hereto that this deposition may be taken at this time, 4:00 p.m., January 15, 1997, in the offices of Dennis B. Brooks, M.D., Mt. Sinai Integrated Medical Campus 26900 Cedar Road, Suite 325, Beachwood, Ohio.

It is further stipulated and agreed by and between counsel that the deposition may be taken in shorthand by Mary J. Carney, a Notary Public within and for the State of Ohio, and may be by her transcribed with the use of computer-assisted transcription; that the witness's signature to the finished transcript of his deposition may be and is hereby waived by agreement of the parties; and that the deposition may be thereupon used on behalf of the parties in the aforesaid cause of action as fully and to the same extent as if written in the presence of the witness and subscribed by the witness in the presence of the Notary Public.

1 WHEREUPON,
2 DENNIS B. BROOKS, M.D.,
3 of lawful age, being by me first duly
4 sworn to testify the truth, the whole
5 truth, and nothing but the truth, as
6 hereinafter certified, deposes and
7 says as follows:

8 DIRECT EXAMINATION:

9 By Mr. Springer

10 Q Dr. Brooks, would you state your name and
11 professional address for our jury, please?

12 A Yes. My name is Dennis Bruce Brooks, and
13 my address is 26900 Cedar Road in Beachwood, Ohio.

14 Q What is the nature of your profession,
15 Doctor?

16 A I'm an orthopedic surgeon.

17 Q And orthopedic surgery is a specialty of
18 the practice of medicine, I take it?

19 A Yes.

20 Q Would you just briefly tell the jury what
21 the practice of orthopedic surgery encompasses?

22 A Yes. As an orthopedic surgeon, I treat
23 patients who have problems with their musculoskeletal
24 system. By that I mean, I take care of patients who have
25 problems with their bones, joints, the soft tissues that

1 cover those areas, the muscles, ligaments and tendons, as
2 well as taking care of patients who have problems with their
3 spine and its contents, the intervertebral disks and the
4 nerve roots.

5 Q Now, in order to reach this level, Doctor,
6 obviously you had to have had an educational background.
7 Let's begin with college, and tell us when and where, where
8 you attended, when you graduated, what degree you achieved?

9 A I attended Harvard University from 1955 to
10 1959, and I graduated from there with a Bachelor of Arts
11 degree in 1959.

12 Q And from there?

13 A From there I attended Western Reserve
14 University School of Medicine, and I graduated from there in
15 1963 with the degree of Doctor of Medicine.

16 Q And following obtaining that degree,
17 Doctor, is it necessary for you to go into what is called a
18 residency and internship program?

19 A Yes.

20 Q And did you do that?

21 A I did.

22 Q Okay. And tell us when you did that and
23 what years that was done.

24 A Yes. I was a rotating intern at the Mt.
25 Sinai Hospital of Cleveland for one year, and that was in,

1 between 1963 and 1964. I then spent a year as a general
2 surgery resident at Mt. Sinai for one year; and my third and
3 fourth years of postgraduate training were as an orthopedic
4 surgery resident. During my fifth year, I was a National
5 Institute of Health research associate in the biomechanics
6 laboratory of Case Western Reserve University.

7 Q And what does that mean, Doctor; what
8 specifically did you do, and it seems a little bit different
9 than the usual type of training?

10 A It is. Biomechanics, in essence, is the
11 application of engineering principles to biological systems.
12 So while I served as a fellow in the biomechanics
13 laboratory, I actually took courses in engineering at what
14 then was Case Institute of Technology; and I performed
15 independent research in three areas on various projects.

16 Those projects included the biomechanics of knee
17 injuries; another project dealt with the development of a
18 new device for the treatment of femur fractures; and a third
19 project dealt with the biomechanics of cervical spine
20 injuries.

21 Q So that would take us up into about what,
22 1968?

23 A 1968.

24 Q Okay. And following the completion of
25 that five years of residency and your study in biomechanics,

1 what did you do then?

2 A Took another year of residency.

3 Q Okay. And that --

4 A And that was a year of children's
5 orthopedics at the Indiana University Medical Center. So
6 that took us up to 1969.

7 Q All right. By that time were you finally
8 ready to start practice?

9 A Well, I was ready years before; but that,
10 yes, I was. And then between 1969 and 1971, I was in the
11 United States Air Force. I had the rank of Major, and I
12 served as an orthopedic surgeon during that two-year period
13 of time.

14 Q Okay. When you completed your obligation
15 to Uncle Sam, then what was your next step in your career?

16 A I returned to Cleveland, and I entered the
17 private practice of orthopedic surgery.

18 Q And have you been engaged in the private
19 practice of orthopedic surgery since that time?

20 A Yes, I have.

21 Q Doctor, are you on the staff of any
22 hospitals in the Cleveland area?

23 A Yes.

24 Q And what are those hospitals?

25 A Mt. Sinai Medical Center of Cleveland.

1 Q Doctor, do you also belong to any number
2 of organizations particularly associated with medicine and
3 your specialty?

4 A Yes.

5 Q Could you give us an example of some of
6 those?

7 A Certainly. I'm a member of the American
8 Academy of Orthopedic Surgeons, the International Society of
9 Orthopedics and Traumatology, and the Clinical Orthopedics
10 Society.

11 Q Okay. And then I assume you belong to the
12 usual organizations, such as the local medical society, the
13 Ohio State Medical Society and so forth?

14 A Yes.

15 Q Okay. You are, of course, licensed to
16 practice medicine in the State of Ohio?

17 A Yes.

18 Q And how long have you been so licensed?

19 A I was licensed in 1963, and this **is** now
20 1997. My gosh, 34 years.

21 Q Doctor, in addition to your active
22 practice of orthopedic surgery, do you have any teaching
23 responsibilities anywhere?

24 A Yes.

25 Q And would you tell us about those?

A I'm presently an assistant clinical professor of orthopedic surgery at Case Western Reserve University. I participate in the orthopedic residency teaching program at the Mt. Sinai Medical Center. And I lecture in the Cleveland Area-Wide Biomechanics Course.

Q Okay. And finally I guess, Doctor, is there an organization that certifies physicians in your specialty?

A Yes.

Q And what is that certifying organization?

A It's called the American Board of Orthopedic Surgery.

Q And have you obtained certification from that organization?

A Yes.

Q Does that mean then that you're what is normally referred to as board certified?

1% A Yes, that's correct.

Q Doctor, what was necessary for you to do to obtain that board certification?

A In order to become board certified, I had to complete a postgraduate training program that was prescribed by the American Board of Orthopedic Surgery. I had to practice only orthopedics, to the exclusion of other branches of medicine, in one location for one year. And

1 then I had to take an examination which in my case was a
2 full-day written examination and a half-day oral
3 examination. And having completed those requirements, I was
4 considered to be board certified.

5 Q And when did you receive that
6 certification, Dr. Brooks?

7 A I was initially board certified in 1971.

8 Q Okay. You say initially. Have you been
9 recertified?

10 A Yes.

11 Q And when was that?

12 A I was recertified in 1994.

13 Q Okay. Is there a reason why you did that,
14 or was that required or --

15 A Yes, there was a reason. Beginning in
16 1986, any individual who became board certified was issued a
17 time-limited certificate; and that meant that, at the end of
18 ten years, they had to take another examination or go
19 through the recertification process. Because I was board
20 certified in 1971, I was actually grandfathered in.

21 But I have the privilege of being an examiner for the
22 American Board of Orthopedic Surgery. And it was felt by
23 the board, rightfully so, that if we're going to examine
24 people, then we'd better take the exam as well. And so in
25 1994 I voluntarily took the recertification examination and

1 passed that.

2 Q I see. **So** in addition, not only did you
3 pass it and become recertified, but you are an examiner?

4 A Yes.

5 Q Who examines others for board
6 certification?

7 A That's correct.

8 Q I see. Okay. All right. Dr. Brooks,
9 then at the request of our office, did you have occasion to
10 examine both Eleanor Blue and Willie Blue, Jr., who are
11 plaintiffs in the lawsuit that we are now -- we're going to
12 be trying?

13 A Yes.

14 Q I believe if -- you examined Eleanor Blue
15 first; is that correct?

16 A Yes.

17 Q And what day did you examine her?

18 A I examined her on July 29th, 1996.

19 Q And then Mr. Willie Blue you saw when?

20 A I examined Willie Blue on August 1st of
21 1996.

22 Q Okay. Doctor, I think for clarity's sake,
23 what we will do, since you examined Eleanor Blue first, is,
24 we will start with her, and I will ask you about her; and
25 then if Mr. Fekete so desires, he may cross-examine or defer

1 as he wishes.

2 Doctor, first of all, let me ask you this: When
3 someone such as myself or our office asks you to examine an
4 individual or individuals such as Eleanor Blue and Willie
5 Blue, Jr., do you go about that examination any differently
6 than any other patient that you would see in your office?

7 A No, I don't.

8 Q And of course, it is true that you're
9 seeing them just for an examination, not for treatment
10 purposes; is that right?

11 A That's correct.

12 Q Okay. And, Doctor, have you had occasion
13 to examine other people at my request in the past?

14 A Yes, I believe so.

15 Q Okay. Now, starting with Eleanor Blue,
16 you said that you saw her on July 29th of 1996. When you
17 see someone like, like Mrs. Blue, what is -- or Ms. Blue --
18 what is the first thing that you do?

19 A I introduce myself and make sure that
20 they're the individual that I am to examine, and then I
21 obtain a history from them.

22 Q All right. And, Dr. Brooks, what is the
23 reason for obtaining a history?

24 A A history is the beginning of the
25 diagnostic process. Without obtaining a history, I wouldn't

1 know what happened to the individual, what their complaints
2 are at the time that I examined them, and I wouldn't know if
3 they had any -- had had any symptoms in the past that are
4 similar to the ones that they have at the present time.

5 Q Now, Dr. Brooks, it is a fact, is it not,
6 that before you saw these people, our office forwarded to
7 you medical records pertaining to each one of them?

8 A Yes.

9 Q Now, did you examine those records before
10 seeing and examining Ms. Blue and Mr. Blue?

11 A No, I did not.

12 Q And is there a reason for that, Doctor?

13 A Yes.

14 Q And what is that reason?

15 A As I indicated earlier, I proceed with an
16 examination such as the one I did on Miss Blue and Mr. Blue
17 in the same manner that I would if you came to me as a
18 patient. And that is to take your history, to do a physical
19 examination, to order any radiographs or X-rays that I feel
20 are pertinent; and then, after I have an idea of your
21 problem as you've stated it and as I've identified it on
22 physical examination, I then go to the medical records to
23 find out what they contain with respect to your present
24 problem.

25 If I did it in the reverse order, I would really I

think be biased or have some preconceived notions about what's gone on. This way I let you tell your story; I examine you, and then I look at your records.

Q I see. All right. Well, then let's begin with Eleanor Blue, Doctor, and if you would relay to us the history that she gave to you? I guess I should ask you first, you did, following your examination of both of these people, prepare and submit a report to me; did you not?

A Yes.

Q And of course, I have shared that with Mr. Fekete. You have that in front of you?

A Yes, I do.

Q And of course, you may feel free to refer to it or any records or notes that you made.

A Thank you.

Q Okay. Doctor, then would you tell us the history that Eleanor Blue relayed to you?

A Yes. She told me that she was injured on approximately March 9th of 1993 when she was riding as a front-seat passenger in an automobile that was stopped when it was struck from behind by a second car. She was restrained at the time of the accident and, as she indicated, jerked forward. "My knees went into the dashboard; my head hit the windshield."

Q You're quoting her at this point?

A That's correct.

Q Okay.

A She told me that she was not unconscious.
4 She also told me that she was aware of pain in her neck,
5 midback, low back and right knee. She was taken by
6 ambulance to Sharon Regional Hospital, where she was
7 examined, treated and released to her home. She went on to
8 tell me that she was given a prescription for medication and
9 what she referred to as a neck brace.

10 Approximately one to two weeks after the accident, she
11 told me, she came under the care of Dr. Baker at the
12 recommendation of her family. She had not received any
13 interval treatment during the period between the accident
14 and the time that she first saw Dr. Baker. Dr. Baker
15 prescribed medication, a back brace, and physical therapy.

16 She told me that she received treatment three times a
17 week for approximately three months and that she received
18 this treatment in his office. Treatment was applied to her
19 neck and back. She was re-examined by Dr. Baker after she
20 completed physical therapy. He then referred her for an
21 additional two months of therapy. Again she received
22 treatment to her neck and back three times a week.

23 She went on to explain that Dr. Baker re-examined her,
24 and in approximately September of 1993, she returned to work
25 on what she described as a light-duty basis. She indicated

1 that at the time of the accident, she had been working as a
2 Bank One teller and also had been working at Red Lobster.
3 When she returned to work at Red Lobster, she did so as a
4 hostess with decreased hours.

5 She told me that she continued under Dr. Baker's care
6 and that he obtained an MRI of her back. This revealed what
7 she described as scoliosis.

8 MR. FEKETE: Objection.

9 A She also said it didn't show a slipped
10 disk.

11 MR. FEKETE: Objection.

12 Q Now, we've used some medical terms here,
13 Doctor. The term scoliosis, what does that mean?

14 A Scoliosis is a curvature of the spine that
15 is developmental in nature. It develops during adolescence
16 and puberty.

17 Q Okay. And the term slipped disk I guess
18 is not really an accurate medical term. Is that something
19 people usually talk about when they mean a herniated disk?

20 A Yes.

21 Q And a herniated disk is what, Doctor?

22 A A herniated disk is a disk that is out of
23 its normal location between the two vertebral bodies and is
24 pressing on one of the nerve roots.

25 Q Okay. And here that did not -- was not

1 shown on the MRI?

2 MR. FEKETE: Objection.

3 A That's correct.

4 MR. FEKETE: Move to strike.

5 MR. SPRINGER: Basis of your
6 objection?

7 MR. FEKETE: He never saw the MRI.

8 MR. SPRINGER: I'm sorry?

9 MR. FEKETE: He never saw the MRI.

10 MR. SPRINGER: This is a history,
11 okay, she's relating to him.

12 MR. FEKETE: She's not a doctor.

13 MR. SPRINGER: Okay.

14 Q Incidentally, Doctor, what is an MRI?

15 A MRI stands for magnetic resonance imaging,
16 and an MRI is a diagnostic procedure used to image both the
17 soft tissues and the bone of a particular part of the body.
18 Here she underwent an MRI of her lumbar spine, her low back,
19 and she also under --

20 MR. FEKETE: Objection.

21 Q Okay.

22 A Underwent an MRI of her cervical spine,
23 her neck.

24 Q All right. Okay. Picking up the history,
25 tell us what else she relayed to you.

1 A She told me that she had an MRI of her
2 neck, her cervical spine, and she told me, quote, "I guess
it came back normal."

4 MR. FEKETE: Objection. Move to
5 strike.

6 A Lastly, Dr. Baker obtained an MRI of her
right knee, and Miss Blue told me, "I guess it was also
8 normal."

9 MR. FEKETE: Same objection. Move to
10 strike.

11 Q Okay. Go ahead. These -- this is the
12 history she's relating to you?

13 A Right; this is what she told me.

14 Q Very good. Continue.

15 A During 1994 she was evaluated by Dr. Baker
16 four or five times. During 1995 she was examined by him
17 approximately two times. During 1996 she was examined by
18 him two to three times. She told me that she was last
19 examined by him in June of 1996. She had not been treated
20 by any other physicians.

21 Q Okay. Did that complete the history, or
22 was there more, Doctor?

23 A There was more. That completed the first
24 part of the history, what she told me had occurred between
25 the time of her accident in '93 and the time that I examined

1 her in '96.

2 I then asked her how she was feeling at the time that I
3 examined her, and she told me, quote, "I still have back
4 pain," unquote. She was symptomatic with respect to her
5 middle and lower back and had pain, quote, "all across,"
6 unquote, these areas. She described her pain as being
7 sharp. Excuse me. She would have symptoms three to four
8 times a week. There were no specific activities that were
9 associated with her pain. She told me that her symptoms
10 were decreased by taking medication two to three times day.
11 She did not recall the name of the medication. She had no
12 associated leg radiation.

13 Q That means what, Doctor?

14 A She had no pain going down her legs.

15 Q Okay.

16 A She also complained that she had what she
17 described as moderate pain in the back of her cervical spine
18 that was present, quote, "all the time. It's constant; it's
19 aching." There were no specific activities that were
20 associated with her neck pain. Pain medication did decrease
21 her symptoms, and she had no associated arm radiation.

22 Lastly, she complained that on, quote, "certain days,"
23 unquote, her right knee was, quote, "bad," unquote. It
24 swelled approximately once a week but could remain swollen
25 one and a half to two weeks after that. She experienced

1 what she described as aching all over the knee, as well as
2 tenderness along the anterolateral aspect of her knee.

3 She was unable to bend down and kneel. She climbs
4 steps one at a time. She had not had any locking or
5 buckling, and there were no specific modalities that
6 decreased her knee pain.

7 Q Okay. Now, you've described two parts of
8 the history process. Was there an additional history
9 process that you obtained?

10 A Yes, it was her past history.

11 Q Okay. And that would be what, prior to
12 the accident?

13 A That's correct.

14 Q Okay. And would you tell us what she
15 relayed to you as far as that was concerned, Doctor?

16 A Yes. She told me that she did not have
17 any symptoms referable to her neck, midback, low back, or
18 right knee before the accident of March of 1993. She had
19 not sustained any prior on-the-job **or** off-the-job injuries.
20 She also told me that she had not been injured since March
21 9th of 1993. She had no long-term medical problems. She
22 was not taking any other medication beside the one that she
23 took several times a day. She had had a cesarean section.
24 And that completed her history.

25 Q Okay. Incidentally, Doctor -- and I think

1 maybe to some extent you may already have answered this --
2 but in taking the history, did this consist both of her
3 relaying the history to you as well as you asking questions?

4 A Well, yes. Just as you're asking
5 questions of me, I have to ask questions of a patient in
6 order to elicit their history and to inquire about the areas
7 that they have complaints.

8 Q Okay. Now, was there any other portion of
9 the history, particularly as regards to her working history,
10 that you obtained?

11 A Yes. She told me at the time of the
12 accident she was working as a part-time bank teller and was
13 also what she referred to as an ally -- an alley, rather --
14 coordinator at Red Lobster. She indicated that in her Red
15 Lobster job, she acted as a, quote, "middleman between the
16 kitchen and the servers." She did not return to her Red
17 Lobster job after she delivered her child. She did return
18 to her job as a part-time bank teller.

19 Q Okay. And did that then conclude the
20 history?

21 A Yes.

22 Q Okay. Then, Doctor, if I understood your
23 earlier testimony, following the history, you go in; you do
24 examine the patient?

25 A That's correct.

Q Now, is this examination limited to some extent, Doctor, or is it a general physical examination?

A Well, it's an orthopedic examination that is essentially limited to her areas of complaint. Here it would be her spine and her right knee.

Q All right. Doctor, would you tell us then, describe the examination you performed and your findings on examination; and I may interrupt you from time to time to explain something.

A All right. I noticed that Miss Blue was a female of approximately her stated age who told me that she was five foot five and a half inches and that she weighed 170 pounds. I noted that she got out of a chair without difficulty; that she walked without limping; and that she was able to climb onto and off of the examining table in a normal fashion. I examined her cervical spine and noted that there was normal cervical lordosis without evidence of paracervical or trapezius spasm.

Q Okay. Let me stop you right there. First of all, when you refer to the cervical spine area, what area is that, Dr. Brooks?

A That's her neck.

Q Okay. And you used the term spasm and particularly paracervical and trapezius spasm. First, what is spasm?

1 A Spasm is a sustained contraction of a
2 muscle, like a charley horse.

3 Q Okay. And is that indicative of anything
4 to the physician when you either find it or find it absent,
5 as in this situation?

6 A Well, the absence of spasm is a normal
7 finding.

8 Q Okay. And the paracervical and trapezius
9 areas are where?

10 A Para means on the sides of, so the
11 paracervical muscles are the muscles on either side of the
12 midline of your spine.

13 Q Okay.

14 A And the trapezius **is** the big muscle that
15 goes from the side of your neck, over the top of your
16 shoulder, covering your shoulder blade. It's much like a
17 shawl, if you will.

18 Q Is that that big muscle that we can feel
19 as we -- the top of our shoulder?

20 A Yes, depend how -- depending on how much
21 weight lifting you do.

22 Q Okay. All right. Go ahead with your
23 examination and findings, Doctor.

24 A I noted that she had no tenderness when I
25 palpated any areas of her neck or these muscles.

1 Q Palpation meaning?

2 A Applying light pressure.

3 Q Okay.

4 A There was a full range of cervical
5 flexion, extension, lateral rotation and lateral bending

6 Q That means what, Doctor?

7 A That she was able to move her neck freely
8 forward and back and side to side.

9 Q Okay. Is that a normal finding?

10 A Yes.

11 Q Okay. Please continue.

12 A I then examined her thoracic spine or her
13 midback and noticed that there was no evidence of deformity
14 or localized tenderness, and there was no spasm. I
15 performed a neurological examination of her upper
16 extremities and noted that she had normal deep tendon
17 reflexes, normal muscle strength and normal sensory
18 perception.

19 While I was examining her, I noted a healed scar on the
20 back of her little finger. She indicated that on July 4th,
21 1996, she had cut her finger with glass, and this had been
22 repaired with sutures.

23 Q Okay. This was just an incidental finding
24 as you were examining her?

25 A That's correct.

Q Okay.

A I then went on and examined her lumbosacral spine or her low back and noted that she had an increase in her lumbar lordosis without evidence of spasm. There were no areas of localized tenderness with palpation of the spinous processes, sacroiliac joints, sciatic notches.

Forward flexion could be accomplished such that her fingers reached her distal tibias. Extension and lateral bending were performed normally. Heel walking and toe walking were performed without evidence of weakness or of pain. And Burn's test could not be performed because she indicated that she was unable to kneel on her right knee.

Q Incidentally, you talked about an increase in the lumbar lordosis. *Any* significance in that finding, Doctor?

A No, there's actually a wide range of lordosis or the curvature of your spine; and generally when people are short and overweight, they do have an increase in their lumbar spine or some swayback.

Q Okay. All right. Then you continued to examine her?

A Yes. I noted that sitting straight leg raising could be accomplished to 90 degrees bilaterally. The tripod sign was negative. Supine straight leg raising

1 was restricted to 60 degrees on the right and was
2 accompanied by hamstring pain. Simultaneous hip and knee
3 flexion decreased the right hamstring pain; and with
4 contralateral hip and knee flexion, supine straight leg
5 raising could be accomplished to 90 degrees on the right.

6 On the left, supine straight leg raising was restricted
7 to 45 degrees and accompanied by anterior knee pain.
8 Simultaneous hip and knee flexion decreased this pain, and
9 with contralateral hip and knee flexion, supine straight leg
10 raising could be accomplished to 60 degrees. Lasegue's
11 maneuver was negative bilaterally.

12 Q Let me ask you a couple of questions about
13 what you've just told us, Doctor. There seems to be a
14 difference between the degrees that she was able to -- was
15 restricted; and then you spoke of a contralateral hip and
16 knee flexion, and we got to a 90 degree or what I believe to
17 be as a normal extension?

18 MR. FEKETE: Objection.

19 A Yes.

20 Q What is the significance of any of the
21 difference that we have there?

22 A Well, the significance of all those tests
23 put together is that it indicates that Miss Blue has tight
24 hamstrings. When she was in the sitting position and I
25 asked her to straighten out one leg, she was able to do so

1 such that she formed a 90-degree angle between her leg and
2 her body.

3 Then I asked her to lie down on the examining table,
4 and initially I asked her to raise up her leg, and there was
5 some limitation. She couldn't form a 90-degree angle. She
6 complained of pain in her hamstrings. When I had her flex
7 her opposite or contralateral knee and her hip, that allows
8 her to flatten out the curvature of her spine, her lumbar
9 lordosis, and actually relaxes her hamstrings. And so on
10 the right side she was able to do it to normal.

11 On the left side, initially when she did supine
12 straight leg raising, she only did so to 45 degrees, and she
13 complained of pain in the front of her knee. Now, that
14 doesn't make any medical sense. When you are in a supine
15 position and you lift up a leg, if that causes you any
16 discomfort, it doesn't cause pain in your knee; it should,
17 as it did on the other side, if it's going to do anything,
18 cause pain in the back of your knee, like in your
19 hamstrings. So that made no medical sense.

20 Q Okay. Well, you anticipated a question I
21 was going to ask you, so you can't explain that medically?

22 A That's correct.

23 Q Okay. Go ahead. Is there anything else?

24 A No, that completed that portion of the
25 exam.

1 Q The tight hamstrings, based upon a
2 reasonable degree of medical probability, Doctor, do you
3 relate that to this accident that she described to you?

4 A No, I do not.

5 Q Okay. All right. You had further
6 examination then of this young lady?

7 A Yes. I just performed the neurological
8 examination of her lower extremities, and I noted that on
9 the right her patellar tendon reflex was absent, and on the
10 left it was slightly positive. Both achilles tendon
11 reflexes were absent. Her muscle strength and her sensory
12 perception was normal.

13 Q Okay. And was there further examination,
14 Doctor?

15 A Yes. I then went on to examine her right
16 knee, the knee about which she complained. Previously I had
17 noted that, when she was standing, her alignment was normal.
18 She performed a half squat and complained of right knee pain
19 when she did that. There was no measurable quadriceps
20 atrophy or palpable effusion.

21 While she was lying on the examining table, she kept
22 her knee bent to 25 degrees. She indicated that she
23 experienced pain when she attempted to straighten out her
24 knee fully. There was 95 degrees of flexion. There was no
25 crepitus, and there was no tenderness with palpation of her

1 patella, either femoral condyle, either joint line, or
2 either tibial plateau. And there was no evidence of
3 collateral or cruciate ligament laxity.

4 Q Okay. Basically, Doctor, without asking
5 you to explain all these various terms you've used, such as
6 crepitus and atrophy and effusion and so forth, were these
7 findings normal?

8 A No, they weren't.

9 Q Okay. What was abnormal?

10 A Well, what was apparently abnormal -- and
11 I'll explain why I use the word apparently -- is that, when
12 she was lying on the examining table, she kept her knee bent
13 to about 25 degrees. And then she only bent it further to
14 about 95 degrees. So that would be an indicator that she
15 has a limitation of knee motion.

16 The reason why I doubted whether that was actually the
17 case was that I had watched her walk previously. She walked
18 normally. And during the gait cycle, while you're walking,
19 you have to straighten out your knee completely before you
20 bring it down at heel strike; and she was able to do that.

21 Also, in the standing position, before she got onto the
22 examining table, she stood with her knees fully extended or
23 fully straight. So again, there was no medical explanation
24 why, when she was on the examining table, she lay there with
25 her knee bent 25 degrees.

Q I see. Was there anything else then about what you've just described to us in your examination other than the flex of the knee that was abnormal?

A No.

Q Okay. Did that then essentially, Doctor, except for tests, complete your examination?

A Yes.

Q Okay. And then did you do some specific types of tests?

A Yes, I referred her to the radiologist and asked that radiographs of her thoracic spine, cervical spine, lumbar spine and right knee be obtained.

Q Okay. And did you also personally review these X-rays?

A Yes.

Q Okay. And was there any -- were there any abnormal findings on any of these X-rays?

A The only abnormal finding on the radiographs were in those of the right knee, where they demonstrated the residual of what's called Osgood-Schlatter's disease.

Q Okay. I'm going to have to ask you what that is, Doctor, and whether, with a reasonable degree of medical probability, you relate that to the accident?

A With a reasonable degree of medical

1 probability, I do not relate that to the accident.

2 Q And why is that?

3 A Osgood-Schlatter's disease is again a
4 developmental condition. It's a condition that you're not
5 born with but appears during adolescence and puberty. And
6 as the condition develops, there is some fragmentation of a
7 structure that's called the tibial tubercle. The tibial
8 tubercle is the bony prominence in the front of your knee to
9 which the patellar tendon attaches. By the look on your
10 face, I guess I got to explain that, too. The patella is
11 your kneecap.

12 Q Do you have a model here, Doctor, that you
13 might be able to use?

14 A Yes, if somebody could just hand it to me,
15 why -- thank you very much.

16 This, this is a model of the knee. It happens to be a
17 model of the right knee. And this structure is the kneecap.
18 The kneecap is attached to the thigh muscle by the
19 quadriceps tendon. And then it is attached to the tibia or
20 the shinbone at the level of the tibial tubercle by the
21 patellar tendon or the patellar ligament. And when an
22 individual develops Osgood-Schlatter's disease, they develop
23 fragmentation of the tibial tubercle.

24 Q I see. Okay. And that was the only
25 abnormal finding then on the radiographs?

1 A Yes.

2 Q Okay. All right. Well, then, Doctor, by
3 this time, having taken a history, examined this young lady,
4 and done the radiographs, were you at a point where you were
5 ready to review the medical records that we had submitted to
6 you?

7 A Yes.

8 Q Did you do so?

9 A I did.

10 Q Okay. And would you tell us what you
11 found in those records that particularly was of significance
12 to you?

13 A Yes. The first record that I reviewed was
14 the emergency room record of Sharon Regional Hospital, and
15 that indicated that Miss Blue was in the emergency room on
16 March 9th, 1993. The emergency room physician noted that
17 she complained of neck pain, lower back pain, and left knee
18 pain.

19 Q Now; throughout she complained to you of
20 right knee pain?

21 A That's correct.

22 Q Okay.

23 A The emergency room physician also noted
24 that she had had no apparent head trauma. After he examined
25 her and reviewed some radiographs, he made the diagnosis

1 strain, cervical; strain, back; contusion, knee.

2 Q Okay.

3 A The next bit of information that I
4 reviewed was Dr. Baker's letter of December 15th, 1993, and
5 in that letter he summarized his treatment of Miss Blue
6 between March 28th, 1993, and November 4th, 1993.

7 MR. FEKETE: Objection.

8 A From his letter, it appeared that he first
9 examined her in the emergency room of Greenville Hospital
10 three weeks after the accident.

11 MR. FEKETE: Objection. Move to
12 strike.

13 A He went on to describe the tests that he
14 ordered, including the MRI of her cervical spine and her
15 lumbar spine. He --

16 MR. FEKETE: Objection. Move to
17 strike.

18 A -- re-examined her at various intervals
19 thereafter, eight weeks later in his office and then five
20 months after that.

21 MR. FEKETE: Objection. Move to
22 strike.

23 A His final diagnosis was, quote, "Chronic
24 cervical, dorsal and lumbar sprain." He did not make any
25 diagnoses referable to her left knee or her right knee.

Q Okay.

A I also reviewed his letter of January 18th, 1995. Again he summarized the treatment between March 28th, 1993, and April 19th, 1994. Reviewed a report of an MRI of the cervical spine that was obtained on April 14th, 1993, and that MRI was normal.

MR. FEKETE: Objection. Move to strike.

A There was an MRI of the lumbar spine that was performed on April 14th, 1993, and that also was normal.

MR. FEKETE: Objection. Move to strike.

A And that concluded my review of the records.

Q Okay. All right. Well, now, Doctor, ther based upon the history relayed to you by Ms. Blue, your examination of her, the tests that you performed, and also based upon your review of the records that you have just relayed to us, and also, of course, based upon your background, training and years of experience, did you form an opinion based upon a reasonable degree of medical probability as to what injuries, if any, Miss Blue sustained in the automobile accident of March 9, 1993?

A Yes, I did.

Q And what is that opinion, Doctor?

I A I believe that she sustained a mild
2 cervical and lumbosacral strain and a mild contusion of her
3 left knee as a result of the accident of March 9th, 1993.

4 Q Okay. And when you say mild, Doctor,
5 medically, can we define that term in any way that our jury
6 would understand?

7 A Well, I use the term mild to contrast it
8 with a severe condition. A mild cervical strain is, is a
9 mild injury to the muscles of the neck that heals generally
10 in a four- to six-week period of time.

11 Q Well, Doctor, you have -- you spent quite
12 a bit of time at the beginning of the deposition outlining
13 to us the complaints that Miss Blue relayed to you regarding
14 the cervical, thoracic, lumbar spine, as well as her right
15 knee. First of all, let me ask you, Doctor, did you find
16 anything on your examination to substantiate these
17 complaints?

18 A No, I did not.

19 Q Okay. Do you have any medical explanation
20 for those complaints at this particular time?

21 A No, I do not.

22 Q Do you have an opinion, Doctor, based upon
23 a reasonable degree of medical probability as to whether
24 those complaints she relayed to you are related to the
25 accident of March 9, 1993?

1 A Yes, I have an opinion.

2 Q And what is that opinion?

3 A My opinion is that her complaints were no:
4 related to the accident of March 9th, 1993.

5 Q And does that include the right knee
6 complaints as well?

7 MR. FEKETE: Objection.

8 A Absolutely.

9 Q Doctor, do you have an opinion based upon
10 a reasonable degree of medical probability as to whether
11 Miss Blue has recovered from the injuries that, at least in
12 your opinion, she sustained in the accident of March 9,
13 1993?

14 A Yes, I have an opinion.

15 Q And what is that opinion?

16 A That she has recovered from the mild
17 cervical and lumbosacral strain and the mild contusion of
18 her left knee that she sustained.

19 Q Doctor, are these types of injuries that
20 you have described generally what we might call
21 self-limiting?

22 A Yes.

23 Q And again, based upon a reasonable degree
24 of medical probability, how long would you anticipate it
25 would take someone to recover from the injuries that you

1 diagnosed in Miss Blue?

2 A Three to six weeks, as I mentioned
3 earlier.

4 Q Finally, Doctor, do you have an opinion
5 based upon a reasonable degree of medical certainty as to
6 whether Miss Blue sustained any type of permanent disability
7 attributable to the accident of March 9, 1993?

8 A Yes, I have an opinion.

9 Q And what is that opinion?

10 A That she did not sustain any degree of
11 permanent disability directly attributable to these
12 accident -- to this accident.

13 Q Dr. Brooks, that concludes my examination
14 of you as it pertains to Eleanor Blue.

15 MR. SPRINGER: Mr. Fekete, whatever
16 you wish at this point. If you wish to examine,
17 cross-examine at this point, please feel free to do so.

18 MR. FEKETE: I would prefer to
19 cross-examine at the conclusion of your direct examination,
20 so please proceed with Mr. Blue and --

21 MR. SPRINGER: Okay.

22 MR. FEKETE: -- at that time I'll
23 cross-examine Dr. Brooks.

24 Q All right. Doctor, then let's turn to
25 Willie Blue. We can shorten this somewhat; I won't have to

1 go through all that I did preliminarily to Miss Blue. And
2 just again, to set the stage, you examined him on what date,
3 Doctor?

4 A On August 1st, 1996.

5 Q All right. And you also took a history
6 from him?

7 A Yes.

8 Q Okay. Would you relay the history that
9 you obtained from Mr. Blue? And incidentally, this was done
10 in the same sequence as was with Miss Blue?

11 A That's correct.

12 Q Okay. Please relay the history.

13 A He told me that he had been injured on
14 March 9th, 1993, when he was driving an automobile that was
15 stopped when it was struck from behind by a second car. As
16 a result, he said, quote, "It buckled all four doors." He
17 told me that he was not restrained at the time of the
18 accident.

19 MR. FEKETE: Objection. Move to
20 strike.

21 A He struck his head on the window and his
22 chest on the steering wheel. He was not rendered
23 unconscious. Following the accident, he was aware of pain
24 in his back and right knee. He stated that his right knee
25 was straight with his foot placed on the brake at the time

1 of the accident.

2 He was taken by ambulance to Sharon General Hospital,
3 where he was admitted for two days for observation. He told
4 me that he had a history of, quote, "cardiomyopathy." He
5 was treated by Dr. Lazar, a cardiologist, who, quote,
6 "monitored me," unquote. He was discharged ambulating
7 without crutches.

8 Approximately a month after the accident he came under
9 the care of Dr. Baker. He had not received any interval
10 treatment during the period between the accident and the
11 time he was first examined by Dr. Baker. He had not been
12 involved in any additional accidents. By the time he came
13 under Dr. Baker's care, he told me, he had symptoms
14 referable to his neck, midback, low back, and pain radiating
15 down the posterior aspect of his left leg.

16 Q Posterior being back?

17 A Yes, sir. He recalled that his left leg,
18 quote, "throbbed all the way down," unquote. He could not
19 recall when that symptom began. He also had symptoms
20 referable to his right knee. He indicated that it was the
21 first time that he could be evaluated by Dr. Baker.

22 He went on to tell me that Dr. Baker obtained
23 radiographs and referred him for physical therapy. Mr. Blue
24 chose to treat with Chiropractor Detelich, I think it is.
25 He received treatment three times a week for, quote, "a year

1 at least," unquote. These treatments included, quote,
2 "massage, heat treatment, electrical stim and adjusting,"
3 unquote.

4 When he was under Chiropractor Detelich's care, he was
5 re-evaluated by Dr. Baker. Initially he was examined every
6 month and then, quote, "as needed." After he completed his
7 treatment with the chiropractor, he returned to Dr. Baker.
8 During 1994 he was re-examined by him, quote, "a few times,
9 two to three times." During 1995 he was re-examined, quote,
10 "about the same," unquote. And during 1996, he was examined
11 on approximately two occasions, with the last examination,
12 quote, "a couple of months ago," unquote.

13 He told me that he has not been treated by any other
14 physicians. In 1993 an MRI of his lumbar spine was
15 performed. He told me that this was, quote, "negative,"
16 unquote.

17 MR. FEKETE: Objection. Move to
18 strike.

19 A He indicated, quote, "I should have had an
20 MRI of my knee," unquote. During 1993 he also underwent an
21 MRI of his midback which was, quote, "negative," unquote.

22 MR. FEKETE: Objection. Move to
23 strike.

24 A During 1993 he -- excuse me.

25 During 1993 he was examined by Dr. Ogunro, a

1 neurologist, at the recommendation of Dr. Baker. He was
2 evaluated for his headaches on one or two occasions. Dr.
3 Ogunro, quote, "ran some tests." He also ordered an MRI
4 which, quote, "didn't turn up anything," unquote.

5 MR. FEKETE: Objection. Move to
6 strike.

7 A Excuse me one second. That can wait.
8 Sorry about that.

9 Now, at the time of this examination when I examined
10 Mr. Blue, he indicated that he experienced what he called a
11 "throbbing," unquote, in his low back that was present,
12 quote, "every day." His symptoms were increased by bending
13 and sitting for longer than an hour. His symptoms were
14 decreased by lying on the floor and using heat.

15 His low back pain radiated into each buttock and into
16 the posterior aspect of his left thigh. He told me that he
17 did not pay any attention to the extent of this radiation.
18 His leg pain occurred when he attempted to wash his car. He
19 also indicated that his left leg, quote, "tingles some,"
20 unquote. He experienced this sensation in the entire leg.

21 Coughing, sneezing and bowel movements did not cause
22 any leg radiation. He had not noted any change in his bowel
23 or bladder symptoms. He indicated that he had more back
24 pain than leg pain.

25 He went on to complain that he experienced what he

1 called a stiff neck from time to time. His symptoms, quote,
2 "come and go." He had no associated arm radiation. And he
3 had no other symptoms referable to the accident.

4 Q Okay. All right. Then as with Miss Blue,
5 I take it you then went into his past history, that is,
6 pre-accident?

7 A Yes.

8 Q Yes. And what did you learn from Mr. Blue
9 about that?

10 A He told me that he had no symptoms
11 referable to his neck, low back or left leg before the
12 accident. He had sustained a rotator cuff tear at work in
13 the '80's, and Dr. Baker had performed right shoulder
14 surgery. At the time he was working in the mill, and in
15 November of '92 he retired when the mill closed.

16 He told me that he had not been involved in any
17 subsequent accidents since March of 1993. I asked about his
18 past medical history, and he inquired -- he indicated to me,
19 rather, that he had what he called cardiomyopathy.

20 Q Did you understand what that was, Doctor,
21 or what that was supposed to be?

22 A Oh, yes.

23 Q Okay. Briefly, what is that?

24 A Right. Cardio refers to the heart. Myo
25 refers to the muscle. And opathy or -- refers to a

1 condition of. *So* in essence, cardiomyopathy is an
2 inflammation and/or weakness of the heart muscle.

3 Q All right. And he indicated to you that
4 he was diagnosed for that in when?

5 A 1994.

6 Q Okay. Go ahead.

7 A He was presently taking Tenormin, 50
8 milligrams each day, and another medication. He had no
9 other long-term medical problems. He told me that he took
10 Advil, quote, "from time to time," and that was for his neck
11 and low back complaints. Had not undergone any other
12 surgery beside his right shoulder surgery. And that
13 completed the history.

14 Q Okay. Then, Doctor, I assume that you
15 performed the examination similar to the type of examination
16 you performed with Eleanor Blue?

17 A Yes.

18 Q Okay. And would you tell us about that
19 examination and your findings with regard to Willie Blue?

20 A Yes. The examination of Willie Blue
21 revealed that he was a male of approximately his stated age
22 who was somewhat overweight. He said that his height was
23 five foot six inches and his weight 170 pounds. I noted
24 that he got out of a chair without difficulty; that he
25 walked without limping; and that he was able to climb onto

1 and off of the examining table in a normal fashion.

2 I examined his cervical spine or his neck and noted
3 that he had normal cervical lordosis without evidence of
4 spasm. There was no tenderness with palpation. There was
5 normal cervical flexion, extension, and left lateral
6 rotation. There was 75 percent of right lateral rotation
7 and of lateral bending bilaterally.

8 Q Now, that is a somewhat abnormal finding?

9 A Yes.

10 Q What significance, if any, Doctor, did you
11 attribute to that?

12 A It was an observation, and at that time I
13 made a note of it. I didn't put any particular significance
14 on one isolated finding; just indicated that, when I asked
15 him to move his neck, he did so not completely but about 75
16 percent of normal.

17 Q Doctor, in medicine, are there what we
18 call objective and subjective complaints?

19 A No.

20 Q Okay. Well, subjective complaints and
21 objective findings perhaps is more accurate?

22 A Right. All complaints have to be
23 subjective because those are the complaints that the subject
24 or the patient tells me about. Findings can be objective or
25 they can be subjective.

1 Q Okay. And what is the difference between
2 subjective and objective?

3 A A subjective finding is a finding that
4 requires the input from the patient. An objective finding
5 is one that I can observe or measure or determine without
6 the patient. For example, I can look at you and note the
7 objective finding that you're wearing a tan shirt. I don't
8 know whether your collar is too tight. You would have to
9 tell me. And if you said, "My collar is too tight," that
10 would be a subjective complaint.

11 If I asked you to move your shoulder, for example, that
12 would be a subjective finding because you are doing it. I'm
13 not moving your shoulder. You're the one that's controlling
14 that motion.

15 Q Okay. Into what category would you place
16 the notation that you made of the 75 percent of right
17 lateral rotation and lateral bending bilaterally?

18 A That's a subjective finding. It's
19 something that I could not determine without asking Mr. Blue
20 to do that, and it was completely under his control as to
21 how much he moved his neck.

22 Q Okay. All right. If you would continue
23 then with your findings on examination.

24 A I then examined his right shoulder and
25 noted that he had a barely discernible scars. There was no

1 evidence of atrophy, deformity or localized tenderness. He
2 had a full range of right shoulder motion except for slight
3 limitation of internal rotation.

4 Q Did that mean anything to you at that
5 point?

6 A No. Well, yeah, I gue s it did mean
7 something. It meant that that was a residual of his prior
8 right shoulder injury and surgery.

9 Q This is the one he'd had the surgery back
10 in the '80's?

11 A Correct.

12 Q Okay. Please continue.

13 A I then examined his thoracic spine or
14 midback and noted that was normal. I performed a
15 neurological examination of his upper extremities; that was
16 normal. I examined his low back and noted that he had
17 decrease in his lumbar lordosis without evidence of spasm.
18 There was tenderness with the lightest of palpation of the
19 spinous processes and of each buttock.

20 Forward flexion was restricted such that his fingertips
21 reached his proximal tibias. There was 50 percent of normal
22 extension. Lateral bending was performed normally. He had
23 no complaints of pain with cervical compression and torso
24 rotation. Heel walking and toe walking were performed
25 normally, and Burn's test was considerably positive.

1 Q Okay. Let me interrupt here for a moment.
2 You said there was tenderness with the lightest of palpation
3 of the spinous process and each buttock. What do you mean
4 by the lightest of palpation?

5 A Palpation is the application of pressure.
6 It's touching various areas. So the lightest of palpation
7 is actually just stroking the skin.

8 Q The -- when you speak of tenderness, this
9 is something then that the patient complains to you about
10 when you were stroking him?

11 A Yes.

12 Q Did this finding make any medical sense to
13 you, Doctor?

14 A No. Tenderness with the lightest of
15 palpation really has no medical explanation, per se.

16 Q Did this mean anything to you as an
17 examiner?

18 A Yes.

19 Q And what was that?

20 A It meant to me that Mr. Blue --

21 MR. FEKETE: Objection.

22 A -- was attempting to exaggerate his
23 complaints.

24 MR. FEKETE: Objection. Move to
25 strike.

1 Q Now, Doctor, you spoke of the Burn's test
2 What is the Burn's test?

3 A The Burn's test is a confirmatory test,
4 and it's utilized to confirm whether or not the patient is
5 demonstrating a true range of motion of his spine when he's
6 asked to bend forward and when he's asked to bend backwards

7 Q Well, you said he complained of low back
8 pain as he attempted to do this. Is that the type of result
9 that would have been expected in Mr. Blue's situation?

10 MR. FEKETE: Objection.

11 A No. One should not complain of low back
12 pain when you are in the kneeling position and then you
13 attempt to sit back onto your heels.

14 Q Why, Doctor?

15 A As you kneel down, as you do during the
16 Burn's test, you relax your hamstrings. And as you sit back
17 onto your heels, you reverse your lumbar lordosis. And
18 those two maneuvers together take all the pressure off of
19 your lumbar spine. Therefore, you should not complain of
20 low back pain. In fact, that's the kind of position that
21 people who have low back pain put themselves in to relieve
22 their discomfort.

23 A good example of that is this secretarial or desk
24 chair that became popular several years ago where, instead
25 of sitting on the seat, you kneel on the seat and you sit

1 back onto your heels. Sitting back onto your heels from the
2 kneeling position does not cause back pain.

3 Q All right. Continue with your
4 examination, Doctor, and your findings.

5 A Then found that sitting straight leg
6 raising could be accomplished to 90 degrees bilaterally.
7 The tripod sign was negative. Supine straight leg raising
8 could be accomplished to 80 degrees on the right and to 60
9 degrees on the left. Lasegue's sign was negative
10 bilaterally. On the left, with contralateral hip and knee
11 flexion, spine straight leg raising could be accomplished to
12 80 degrees.

13 The neurological examination of the lower extremities
14 revealed absent patellar tendon reflexes bilaterally, a 2+
15 achilles tendon reflex on the right and a 1+ on the left;
16 and muscle strength and sensory perception were normal.

17 Q Straight leg raising in your findings and
18 then the contractual hip and knee flexion, is this the same
19 type of exercise that we went through when we were talking
20 about Eleanor Blue and the tests that you ran?

21 A Yes.

22 Q Okay. And basically then, are we looking
23 at another situation where we have -- there are tight
24 hamstrings?

25 A Yes.

MR. FEKETE: Objection. Move to
strike.

Q Well, let me phrase the question this way
I was trying simply to shorten things a little bit. But
what did the findings that you made upon the straight leg
raising test and the contractual lateral hip and left knee
flexion tell you about Mr. Blue?

A That he had tight hamstrings.

Q Okay. Based upon a reasonable degree of
medical probability, Doctor, do you relate those tight
hamstrings to the accident of March 9, 1993?

A Based on a reasonable degree of medical
probability, I do not relate the tight hamstrings to the
accident of March 9th, 1993.

Q Okay. Basically then, Doctor, at that
point had you completed your examination of Mr. Blue?

A Yes.

Q As with Miss Blue, did you then take some
radiographs or X-rays?

A I referred him to the radiologist, who did
obtain radiographs of the cervical spine and his lumbar
spine.

Q And again, did you review those X-rays
yourself?

A Yes.

1 Q Doctor, what were the findings on X-ray?

2 A There was no evidence **of** fracture or
3 dislocation. There was evidence of moderate intervertebral
4 disk degeneration at the C5-6 interspace, and there was also
5 spurring at the C4-5 interspace.

6 Q **And** when we speak of degeneration and
7 spurring, Doctor, what does that mean?

8 A That's part of the normal wear-and-tear
9 process. The conditions together are what people often
10 refer to as arthritis of the neck.

11 Q Were these findings compatible with, as
12 we'll get into in a little bit, findings in the emergency
13 room X-rays taken right after the accident?

14 A Yes.

15 Q Do you, with a reasonable degree of
16 medical probability, relate these findings at all to the
17 accident of March 9, 1993?

18 A No, I do not.

19 Q All right. Were there any findings
20 referable to the lumbosacral spine, pelvis?

21 A There was some minimal arthritic change in
22 the last lumbar vertebra.

23 Q Again, is this a developmental type of
24 thing?

25 MR. FEKETE: Objection.

1 Q All right. Let me phrase the question
2 this way: What is -- what is the significance of this
3 spurring at L5?

4 A It has no significance. It's part of the
5 normal aging process, unrelated to the accident.

6 Q Based upon a reasonable degree of medical
7 probability, is it related to the accident of March 9th,
8 1993?

9 A Based on a reasonable degree of medical
10 probability, it is not related to the accident of March 9th,
11 1993.

12 Q Okay. Doctor, were you then ready to
13 review the medical records as to Mr. Blue?

14 A Yes.

15 Q Okay. And would you tell us what records
16 you reviewed and what you learned in reviewing those?

17 A Yes. I reviewed the records from Sharon
18 Regional Medical Center for the period between March 9th,
19 1993, and March 11th, 1993. I reviewed Dr. Baker's records,
20 which included his letter of December 28th, 1993. I
21 reviewed reports of the MRI of the lumbar spine obtained on
22 April 14th, 1993; a CT scan of the head obtained on April
23 9th, 1993. I reviewed Dr. Ogunro's records -- is that how
24 you pronounce that -- and a report of an MRI of the brain
25 that was performed on May 26th, 1993; and, lastly,

Chiropractor Detelich's records.

Q Okay. And what did you learn in reviewing these records, Doctor; what was revealed?

A I learned that Mr. Blue was admitted to the hospital between March 9th, 1993, and March 11th, 1993. After he had been hospitalized for two days, he was discharged with the diagnosis cardiac contusion, motor vehicle accident. I learned also that, while he was in the hospital, he had some complaints of neck pain. Radiographs of his neck were obtained, and on the day of the accident, they demonstrated the same findings that I noted on the radiographs that were obtained three and a half years later.

Q Okay. Doctor, based upon a reasonable degree of medical probability, would the findings --

MR. FEKETE: I'm sorry. Objection. Move to strike your previous question -- previous response. Sorry. Go ahead.

Q Would the findings of the X-rays in the hospital be, with a reasonable degree of medical probability, related to the accident of March 9, 1993?

MR. FEKETE: Objection.

A No, they would not.

MR. FEKETE: Move to strike.

Q And why is that, Dr. Brooks?

A The radiographs that were obtained while

Mr. Blue was hospitalized on the day of the accident showed advanced arthritis of his cervical spine.

4 MR. FEKETE: Objection. Move to
strike.

5 Q Continue.

6 THE WITNESS: Do you think it would be
polite to at least let me finish my response before you
7 object? You know, it's rather bothersome -- and maybe
8 that's why you're doing it -- to interrupt me in the middle
9 of a sentence. I tend to lose my train of thought.
10

11 MR. FEKETE: I have to object and move
12 to strike.

13 THE WITNESS: Do whatever you have to
14 do.

15 A The question before me was what, please?

16 Q Yeah. The question, Doctor, was why you
17 have -- you had rendered your opinion based upon a
18 reasonable degree of medical probability that the X-ray
19 findings as reported in the emergency room were not related
20 to the accident. My question was, why are they not?

21 MR. FEKETE: Objection.

22 A The radiographic findings that were noted
23 on the day of the accident were the findings of advanced
24 arthritis in the cervical spine. That condition did not
25 develop in the several hours between the time of the

1 accident and the time that the radiographs were obtained.
2 That condition developed over a period of years and had been
3 present for a period of years before the accident.

4 Q Okay.

5 MR. FEKETE: Objection and move to
6 strike.

7 Q All right. Okay. Was there anything else
8 then that you learned in reviewing the emergency room
9 records?

10 A No.

11 Q Okay. Please, if you would then, go on to
12 Dr. Baker's letter and what you learned from that.

13 A I learned that he examined Mr. Blue for
14 the first time approximately three weeks after the accident.
15 At the time of his last examination in October of '93, Mr.
16 Blue complained of low back pain.

17 MR. FEKETE: Objection. Move to
18 strike.

19 A There was no indication in Dr. Baker's
20 records that Mr. Blue had symptoms referable to his neck --

21 MR. FEKETE: Object.

22 THE WITNESS: I'm not through.

23 MR. FEKETE: Doctor --

24 THE WITNESS: Why can't you give me
25 the courtesy of at least finishing a sentence before you

interrupt me?

MR. FEKETE: I'll tell you why.

THE WITNESS: Okay.

MR. FEKETE: The reason is because I am under a duty to protect the record, okay. The Court reviews your testimony, reviews Mr. Springer's questions and my objection. If I don't object immediately to your response, I may waive that objection. So there is a good reason for me objecting.

MR. SPRINGER: You can protect the record, however, by waiting until he completes his answer --

MR. FEKETE: I am, but --

MR. SPRINGER: -- and then making your objection at that point.

MR. FEKETE: You'll have to note, in all fairness --

MR. SPRINGER: I think -- I think the Doctor's objection is well-taken.

MR. FEKETE: I have waited until you've completed your sentence --

THE WITNESS: I --

MR. FEKETE: -- at which point I have -- I have objected.

THE WITNESS: I wasn't even through with that sentence when you objected.

1 MR. FEKETE: You did. Ask the
2 reporter.

3 MR. SPRINGER: Well --

4 THE WITNESS: I paused. That's a
5 comma.

6 MR. FEKETE No, sh put d wn -- hat
7 was the end of your sentence, and I objected.

8 THE WITNESS: I object to your reading
9 my mind. I wasn't through with the sentence. Now, doggone
10 it, let me finish what I have to say; then you can object.

11 MR. FEKETE: Okay. But right now I
12 have to object to your narrative, see.

13 MR. SPRINGER: You don't have to
14 object in the middle of what he's saying. You can wait
15 until he's finished and then protect the record.

16 MR. FEKETE: I'll do so. Go ahead.
17 Please proceed.

18 A At any rate, what I was trying to say was
19 that there was no indication in Dr. Baker's records that Mr.
20 Blue had symptoms referable to his neck or his right knee
21 while he was under Dr. Baker's care, end of sentence.

22 THE WITNESS: Your objection?

23 MR. FEKETE: Yes. Objection. Move to
24 strike.

25 THE WITNESS: Thank you.

Q Continue.

A I also learned that an MRI that was obtained on April 14th, 1993, was normal.

MR. FEKETE: Objection. Move to strike.

A I learned that an M -- a CAT scan that was obtained on April 9th, 1993, was normal.

MR. FEKETE: Objection. Move to strike.

A I reviewed Dr. Ogunro's records. Mr. Blue had no leg radiation as evidenced by the notations that Dr. Ogunro made. I noted that his diagnosis was, quote, "post-traumatic headache, sprain of cervical and thoracolumbar spine."

MR. FEKETE: Objection. Move to strike.

A I noted that an MRI of the brain on May 26, 1993, was normal.

MR. FEKETE: Objection. Move to strike.

A I noted that Chiropractor Detelich records indicated that he apparently examined Mr. Blue on May 2nd, 1993, and he made diagnoses referable to the head, neck, low back and left knee. And those were the records that I reviewed.

Q Okay. All right. Now, Doctor, then
again, based upon the history you obtained, your
examination, the tests you performed, your review of the
medical records, and again, of course, your own background,
training, experience, did you form, with a reasonable degree
of medical probability, an opinion as to what injuries, if
any, Mr. Blue sustained as a result of the motor vehicle
accident -- excuse me -- of March 9, 1993?

A Yes, I did.

Q And what is that opinion, Doctor?

A My opinion is that he sustained a cervical
and lumbosacral strain and a cardiac contusion as a result
of this accident.

Q Doctor, again, as with Miss Blue, Mr. Blue
complained to you upon examination and history of symptoms
referable to his neck and low back. Again, in your
examination, did you find anything to substantiate these
complaints?

A I did not.

Q Do you have an opinion, Doctor, based upon
a reasonable degree of medical probability as to whether Mr.
Blue recovered from the injuries that you believe he
sustained in the accident?

A Yes, I have an opinion.

Q And what is that opinion?

1 **A** That he did completely recover from those
2 injuries.

3 **Q** Do you have an opinion based upon a
4 reasonable degree of medical probability as to how long it
5 took him to recover from those injuries?

6 **A** Yes, I have an opinion.

7 **Q** And that opinion is what, Doctor?

8 **A** It took him approximately six weeks to
9 recover from those injuries.

10 **Q** Doctor, do you have an opinion based upon
11 a reasonable degree of medical certainty as to whether Mr.
12 Blue sustained any injuries that are permanent as a result
13 of the automobile accident of March 9, 1993?

14 **A** Yes, I have an opinion.

15 **Q** And that opinion, Doctor?

16 **A** Is that he had no injuries that were
17 permanent.

18 **Q** Doctor, based again upon your examination,
19 the history, your experience, did you form an opinion based
20 upon a reasonable degree of medical certainty as to whether
21 Mr. Blue's complaints were compatible with -- strike that
22 and let me try that over again.

23 Do you have an opinion based upon a reasonable degree
24 of medical certainty as to whether the findings of Mr.
25 Blue -- or the complaints of Mr. Blue are medically

legitimate?

MR. FEKETE: Objection.

A I'm sorry; I don't really understand your question.

Q All right. Let me phrase it this way: Do you have an opinion based upon a reasonable degree of medical certainty as to whether Mr. Blue was being completely honest with you in the complaints he related?

MR. FEKETE: Objection.

A Yes, I have an opinion.

Q And what is that opinion?

A My opinion is that he was not being completely honest with me at the time that I examined him.

MR. FEKETE: Objection. Move to strike.

Q Doctor, based upon a reasonable degree of medical probability, do you think -- medical certainty -- do you think he was exaggerating his opinions --

MR. FEKETE: Objection.

Q -- his symptoms, complaints?

MR. FEKETE: Objection.

A I believe that he was, based on a reasonable degree of medical certainty, I believe that Mr. Blue was attempting to exaggerate his claimed disability, yes.

Q And why do you believe that, Doctor?

MR. FEKETE: Move to strike.

A Why do I believe that?

Q Yes.

A Based on the manner in which he presented his history, his performance during the physical examination, including the inappropriate responses of tenderness with the lightest of palpation and the markedly positive Burn's test, and based on my review of the records and my years of practice as an orthopedic surgeon, I believe that he was attempting to exaggerate his claimed disability

MR. FEKETE: Move to strike.

MR. SPRINGER: Thank you. That's all I have. You may inquire.

THE WITNESS: If it's not objectionable, I would like to ask for a break at this time

MR. SPRINGER: Sure.

(Whereupon a brief recess was taken.)

CROSS EXAMINATION:

By Mr. Fekete

Q Hello, Doctor. I'm Matt Fekete, and I represent the plaintiffs in this action, Mr. Willie Blue and Miss Eleanor Blue.

A Good evening.

Q Thank you. Same to you.

1 A Thank you.

2 Q You've given me the liberty of looking at
3 your file, and I thank you for allowing me to do that, both
4 concerning Mr. Blue and Eleanor Blue. And I do have some
5 questions first concerning when you examined both Mr. Blue
6 and Eleanor Blue. First, when did you see Mr. Willie Blue?

7 A I examined Mr. Blue in August of 1996.

8 Q You didn't see him right after the
9 accident then?

10 A That's correct.

11 Q Or even during the first few weeks or
12 months after the accident, did you?

13 A That's correct.

14 Q The first time you saw him was almost
15 three and a half years after the accident; isn't that true?

16 A Yes.

17 Q And the same holds true for Eleanor Blue;
18 true?

19 A Yes.

20 Q You didn't see her until late July 1996;
21 correct?

22 A Correct.

23 Q And that was the first and last time
24 you've seen either Mr. Blue or Eleanor Blue; correct?

25 A Yes.

Q And at the time you saw Mr. Blue in August of 1996, he wasn't really getting medical treatment for his injuries anymore, at least not on a regular basis, was he?

A That's correct.

Q And the same is true for Eleanor Blue?

A Correct.

Q So that the jury will fully understand your role in this case, Doctor, would you tell us again who contacted you to examine Mr. Blue and Eleanor Blue?

A Mr. Springer.

Q And that would be Mr. Brown's lawyer?

A I don't know who Mr. Brown is.

Q That would be the other driver.

A Oh, all right. Then that's his lawyer, yes.

Q Neither Mr. Blue nor Eleanor saw you for treatment, did they?

A I believe you've asked me that about eight times, and the answer is no.

Q I don't think I asked you that, but the purpose of your examination was not for treating Mr. Blue or Eleanor Blue or providing any sort of care for them, was it?

A Your statement is correct.

Q Your purpose was to examine them, make a report if necessary, and if necessary testify on behalf of

the other driver in this case; isn't that true?

4 A Yes.

Q Basically you're a witness that's being
4 called by the defendant; isn't that true?

5 A Yes.

E Q You have no responsibility for either Mr.
7 Blue's care or Eleanor Blue's care, do you?

E A No.

c Q And you hadn't seen either, either one,
10 before your one-time examination in late summer of 1996, did
11 you?

12 A No.

13 Q Okay. And you haven't seen either of them
14 since?

15 A No.

16 Q Now, your office is here in the Cleveland
17 area, in Beachwood; that would be considered as a suburb of
18 Cleveland, wouldn't it?

19 A Yes.

20 Q Do you have an office or practice at any
21 location other than Beachwood?

22 A No.

23 Q Does your practice ever occasion you to be
24 in Sharon, Pennsylvania, where Mr. Blue and Eleanor Blue
25 reside?

A No.

Q Doctor, you didn't conduct these examinations for free, did you?

A No.

Q And you're not here today testifying on the deposition for free either?

A No.

Q Would you tell us who hired you to do these examinations and to testify?

A I believe I've told you already, Mr. Springer.

Q You didn't tell me.

A Yes, sir, I did. You asked me, who contacted you to perform these examinations. Obviously the same person who contacted me is the same person who asked me to examine them.

Q Well, that may or may not be the case. Have you conducted similar examinations of other persons at the request of either Mr. Springer or his law firm, Comstock, Springer & Wilson, before?

A Yes.

Q And have you testified before at their request?

A Yes.

Q When would you say you started doing

examinations for either Mr. Springer or members of his law firm?

A I don't know.

Q Would it have been more than a year ago?

A Yes.

Q More than two years?

A Yes.

Q More than three years?

A I don't know that far back.

Q How many other persons would you say you have examined and/or testified about at Mr. Springer's request or at the request of his law firm?

A I don't know.

Q Now, do you examine people for other defense law firms as well?

A Yes.

Q Or at the request of insurance companies?

A Yes.

Q And out of those examinations and/or testimony, is there a certain percentage of time that you would devote to defense examinations, for instance, as opposed to examinations on behalf of an injured person?

A I don't keep track of that particular item, so I can't answer your question.

Q All right. How long was Mr. Blue at your

office for that one and only time?

A That one and only time, when I saw him for evaluation, not for treatment, I don't know.

Q Approximately how long does your examination take in your own clinical practice?

A There's no approximate time, Mr. Fekete. I take as much time as necessary to do a comprehensive, thorough examination.

Q Would it be fair to say that you spent perhaps 15 to 20 minutes in examining Mr. Blue?

A I don't know.

Q And the same for Eleanor Blue?

A I don't know. Time is not the parameter that's important. There was a comprehensive examination done, and I took as much time as I needed.

Q Fair enough. You didn't take the time then? You didn't note the time?

A No.

Q Now, during that visit you took a history, and you asked Mr. Blue how he was feeling and what was bothering him, didn't you?

A Yes.

Q And he responded to your questions, didn't you?

A Yes.

Q He cooperated in coming up here to Cleveland and allowing you to examine him and responding to your questions; true?

A Yes.

Q And you were asked some questions about well, you stated that your opinions were in part based upon some of the medical records that were provided to you; correct?

A Yes.

Q And you've allowed me to look in your files to see what records the files contain. And my question is, do the files contain all the records which Mr Springer's office provided to you?

A I believe they do with respect to Mr. Blue. And --

Q You don't have any recollection of disposing of any of the records that Mr. Springer's office provided to you, do you?

A No, I wouldn't have disposed of them. If they're not in my file, I would have returned them to him.

Q Okay. And how about for Eleanor Blue, does the --

A The same holds true.

Q And your file contains all the records that you reviewed for Eleanor view that were -- Eleanor Blue

1 which were provided by **Mr.** Springer's office, don't they?

2 A Yes, they do. I didn't return them to
3 him.

4 Q Okay. Now, specifically, I'd like to go
5 over some of those records. Mr. Springer provided you with
6 the emergency room records from Sharon Hospital for both Mr
7 Blue and Eleanor Blue; is that correct?

8 A Yes.

9 Q But he did not provide you with the
10 records from Sharon General Hospital for the physical
11 therapy which Eleanor Blue had received there, did he?

12 A If they're not in my records, he didn't
13 provide them to me.

14 Q Okay. Do you think that a review of those
15 records might be helpful in the evaluation of the case?

16 A No.

17 Q And you rendered some, made some comments
18 concerning CAT scans which were -- might have been taken.
19 You did not, however, review the CAT scan films yourself,
20 did you?

21 A Yes; I did not review them.

22 Q You did not review the CAT scan films?

23 A That's correct. Yes; I did not review
24 them.

25 Q And that would also apply to the MRI's

1 that you made comments on; correct?

2 A Correct.

3 Q The MRI scans, you didn't review the scans
4 yourself, did you?

5 A That's correct.

6 Q All right. And concerning Dr. Ogunro's
7 records, in fact, you were provided with a letter from his
8 office, weren't you?

9 A No, I was provided with his office record.

10 Q Does what you have before you include all
11 of Dr. Ogunro's office record or just a letter?

12 A Mr. Fekete, I have --

13 Q It's Fekete, Doctor.

14 A I'm sorry. I really do apologize.
15 Fekete?

16 Q Fekete.

17 A Fekete.

18 Q Fekete; right.

19 A I really apologize. Mr. Fekete, I have in
20 front of me a piece of paper. It is not addressed as a
21 letter. It looks to me as a record from Dr. Ogunro. It's
22 not addressed to anyone. It's signed by him. But it
23 prevents -- it includes a history, an examination, a
24 diagnosis and recommendations.

25 Q Isn't it a letter addressed to Dr. Baker?

1 A I don't believe that it's a letter
2 addressed to Dr. Baker. It doesn't say, "Dear Dr. Baker."
3 It doesn't have Dr. Baker's address on it. It looks like a
4 record.

5 Q Okay. May I just see it for a moment?

6 A Absolutely.

7 Q Thank you.

8 A Thank you.

9 Q That's one page then?

10 A That's one page.

11 Q All right. Now, as you made some comments
12 about Dr. Baker's records, and in fact, in reviewing your
13 file, the only thing I saw that you had from Dr. Baker's
14 office was a report?

15 A Yes, that's true. That is a letter
16 addressed to a Mr. McConnell, and I would consider that a
17 report.

18 Q All right. You were not provided with Dr.
19 Baker's office records themselves then, were you?

20 A No.

21 Q All right. And X-rays, you made some
22 comments about X-ray findings. Of course, you took your own
23 or had both Mr. Blue and Eleanor Blue X-rayed by a
24 radiologist at this clinic or complex; but you also made
25 some remarks about X-rays at Sharon Hospital and other

1 treating physicians. You, yourself, did not review the
2 X-ray films that were taken at Sharon Hospital on the date
3 of the accident, did you?

4 A That's correct; I did not.

5 Q Okay. And you didn't review any X-ray
6 films that were -- that might have been taken by Dr. Baker's
7 office when he first saw them, did you?

8 A No, I did not.

9 Q And the same would be true for X-ray films
10 that were taken early on by Dr. Detelich's office; correct?

11 A That's also true.

12 Q And when you evaluate patients for neck
13 pain who give a history of being involved in a motor vehicle
14 accident, you've spoken about objective findings and
15 subjective findings. But one of the objective findings, if
16 a patient complains of neck pain, is that the cervical
17 lordosis is straightened; isn't that true?

18 A Straightening of the cervical lordosis is
19 an objective finding.

20 Q And you would make that objective finding
21 by looking at the X-ray films that were taken on the date of
22 the accident; true?

23 A No. I would not make a finding of looking
24 at radiographs -- well, excuse me. That's not correct. By
25 looking at radiographs, I could make a finding of

1 straightening of the cervical lordosis. I could not make a
2 finding as to the cause of that straightening.

3 Q But if, for instance, the cervical
4 lordosis did appear to be straightened on X-ray film taken
5 in Dr. Detelich's office, that would be consistent with an
6 objective finding of a cervical spine injury sustained in
7 the accident, wouldn't it?

8 A I would not hazard to answer that question
9 without actually looking at the radiographs to which you are
10 referring.

11 Q Is that a yes or a no then?

12 A That is a no.

13 Q Okay. Now, the -- in the Sharon Hospital
14 records that you did review, it was noted that the patient,
15 Mr. Blue, complained of left chest pain and chest
16 discomfort; correct?

17 A Yes.

18 Q Left arm stiffness?

19 A Yes.

20 Q Neck pain?

21 A Yes.

22 Q Back soreness?

23 A Yes.

24 Q And that he sustained a heart contusion?

25 A That was the diagnosis, yes.

1 Q Were you, Doctor, provided with Dr.
2 Detelich's records? I noticed in reviewing the file, I saw
3 what I -- seems to be a report. My question being, were you
4 provided with his actual office records?

5 A No, I was just provided with the report
6 that he wrote to Mr. McConnell.

7 Q And Dr. Detelich's office treated Mr. Blue
8 for some period of time; true?

9 A True.

10 Q Wouldn't it be useful in your evaluation
11 to note what Dr. Detelich's findings were if, in fact, he
12 examined and saw the patient for a relatively longer period
13 of time?

14 A I would have to believe that the results
15 of that treatment were explained in his letter. Therefore,
16 reviewing Dr. Baker's letter that describes the treatment,
17 reviewing Chiropractor Detelich's letter that describes his
18 treatment, would be sufficient.

19 Q Okay. Basically you did review the
20 records which were provided with you; but the fact is, you
21 were not provided with all of the records for these
22 particular patients, were you?

23 A That's a correct statement.

24 Q Dr. Brooks, not all doctors, would you
25 agree, are good records keepers; is that correct?

1 A That's probably true.

2 Q Some are certainly better records keepers
3 than others?

4 A That's the other side of the coin, which
5 is true.

6 Q And there's a big range of practice
7 between physicians and the way records are kept, isn't
8 there?

9 A Yes.

10 Q All right. And the fact that one doctor
11 does not note all of his findings doesn't necessarily mean
12 he's not providing treatment that's benefiting a patient,
13 does it?

14 A I can't answer that with a yes or no.

15 Q Now, Doctor, did you consult personally
16 with any of the physicians that actually treated either Mr.
17 Blue or Eleanor Blue?

18 A No.

19 Q You never consulted with Dr. Baker, Dr.
20 Ogunro, Dr. Detelich or any of them, did you?

21 A You ask me a question. I answer it
22 honestly. And then you ask me the same question again. The
23 answer is still no.

24 Q Now, if you, in your own clinical
25 practice, Doctor, if you have a patient who was treated by

1 another physician, if that physician referred the patient to
2 your office and you had a question about that treatment, you
3 would have no hesitation about consulting with that
4 physician, would you?

5 A If I had a question about the treatment, I
6 would have no hesitation. I had no questions here.

7 Q Because the physician there can give you
8 his firsthand impression of the patient, can't he?

9 A He can give me his firsthand impression;
10 but if I have his records, that's sufficient.

11 Q Would you agree, Doctor, in your own
12 clinical practice, that it's generally true that the more
13 often you see a patient, the more knowledgeable you are
14 about that particular patient's condition; isn't that true?

15 A Yes.

16 Q And here you examined these individuals
17 only once, for 15 to 20 minutes each; right?

18 A I examined these people on one occasion.
19 I don't recall the amount of time that I spent with them,
20 however.

21 Q Doctor, in your own clinical practice, you
22 see and treat patients for injuries to the soft tissue
23 structures of the neck and lower back, don't you?

24 A Yes.

25 Q And when we say soft tissue structures of

1 the lower back and neck, we're talking about muscles,
2 nerves, ligaments and tendons, aren't we?

3 A Yes.

4 Q Ligaments are the attachments which hold
5 bones together; is that true?

6 A Yes.

7 Q And tendons are the attachments which hold
8 muscle to bone?

9 A Yes.

10 Q And they're referred to as soft tissues,
11 as opposed to bony or bone, bony tissue or bones and organs;
12 right?

13 A Yes.

14 Q Now, soft tissue structures can and are
15 often injured in trauma such as the trauma a patient
16 sustains in a motor vehicle accident?

15 A Yes.

18 Q And often these types of injuries, would
19 you say, are not immediately visible on the scene?

20 A I'm sorry; I don't understand your
21 question.

22 Q Well, for instance, if there's no
23 laceration but bruising and contusions, those may not be
24 visible until a period of time has passed?

25 A That's correct.

1 Q All right. Generally, Doctor, the heavier
2 the impact, the more severe the injury to the soft tissue
3 structures injured; would you agree?

4 A No.

5 Q You wouldn't agree that a heavier impact
6 is more likely to cause more serious injuries than a
7 fender-bender?

8 A The second question was not the same as
9 the first question.

10 Q All right.

11 A Okay?

12 Q Again, the first --

13 A The first --

14 Q Go ahead. Go ahead. Answer the first.

15 MR. SPRINGER: Let him answer, please

16 A Okay. The degree of energy that's
17 dissipated in an automobile accident is not directly
18 correlative of the injuries that the patient sustains.
19 Automobiles today are made -- living in the Youngstown area,
20 I think you should know about automobile making -- the cars
21 are made to absorb energy. So when you see a car that has
22 severe damage to it, that has happened because the bumper
23 has collapsed because it's supposed to; the side panels have
24 crumbled because they're supposed to.

25 The only way that you can truly tell about the injuries

1 to the patient is either examining the patient at the time
2 of their injury or reviewing the records of competent
3 individuals who have examined the patient at that time.
4 Looking at a picture of a car doesn't tell me how the
5 occupant's been injured.

6 Q Well, I wasn't going to show you a picture
7 of a car. My question is simply -- perhaps you didn't
e understand it -- is generally, as a general rule, the
c heavier the impact, the more severe the injury to the soft
10 tissues injured; isn't that true?

11 A No.

12 Q Did you receive any information about the
13 severity of the impact in this case?

14 A No.

15 Q So you don't know the extent of physical
16 damages caused to either vehicle?

17 A No.

18 Q Now, in motor vehicle accidents,
19 particularly if one car is at a complete stop, Doctor, when
20 it is hit from behind, we can agree that that creates sudden
21 forces on the occupants inside, in particular, to their neck
22 and back; isn't that true?

23 A Yes.

24 Q And force results to the neck because the
25 head is suddenly snapped forward and backward?

1 A No.

2 Q Snapped backward and then forward?

3 A It moves backward and may move forward,
4 yes.

5 Q And then it may snap backward yet again;
6 right?

7 A Anything is possible.

8 Q Okay. And these types of injuries are
9 known as hyperflexion or hyperextension injuries, aren't
10 they? Or acceleration-deceleration injuries?

11 A They're known as acceleration injuries;
12 that's correct.

13 Q And there are soft tissue structures in
14 the neck, muscles, nerves, ligaments and tendons, which have
15 elastic limits like a rubber band, don't they?

16 A Yes.

17 Q And if they are stretched beyond that
18 elastic limit like a, just like a rubber band, they can be
19 damaged; they can be broken, and they can tear; right?

20 A Yes.

21 Q And as a result of sudden force, sudden
22 impact on soft tissue structures such as in the neck and in
23 the back, muscles, nerves, ligaments and tendons are
24 stretched and twisted?

25 A They can be, yes.

1 Q And a strain technically is a stretching
2 and twisting of the ligaments, isn't it?

3 A Yes.

4 MR. SPRINGER: Are you asking him in
5 this case, or are you just asking generally?

6 MR. FEKETE: I think the question was
7 pretty clear.

8 MR. SPRINGER: Well, I -- maybe it was
9 to the Doctor. It isn't to me.

10 Q And if the soft tissue structures tear,
11 that can occur -- that can occur on a microscopic level,
12 can't it?

13 MR. SPRINGER: Well, I'm going to
14 object to these questions unless you begin to apply them to
15 Miss -- Mr. and Miss Blue. Just to generally ask these
16 questions I don't think has relevance to this particular
17 lawsuit on a general basis.

18 Q Isn't that true, Doctor?

19 A I'm sorry; I forgot the question.

20 Q The tearing of tissue can occur on a
21 microscopic leve as well as on a larger level?

22 A Yes, anything's possible.

23 Q And soft tissue structures each have their
24 own blood supply, don't they?

25 A Yes.

1 Q So if they're cut or torn, they bleed?

2 MR. SPRINGER: Objection.

3 A Yes.

4 MR. SPRINGER: Same basis.

5 Q And in this case we have the diagnosis of
6 cervical strain and sprain and lumbar strain and sprain for
7 both Mr. Blue and Eleanor Blue?

8 A No.

9 Q What was the diagnosis, Doctor?

10 A Diagnosis was cervical and lumbar strain
11 for both Mr. Blue and Eleanor Blue.

12 Q All right. And there was a lumbar --
13 you've just said there was a lumbar strain for both
14 patients; correct?

15 A Yes.

16 Q And when those tissues are torn or twisted
17 in a strain, they bleed, don't they?

18 MR. SPRINGER: Objection.

19 A Yes.

20 Q Okay. And the difficulty sometimes is, in
21 recovering, the tissue just doesn't regenerate itself back
22 to its old self, does it?

23 MR. SPRINGER: Objection.

24 Q It heals with the formation of scar
25 tissue?

1 MR. SPRINGER: Objection.

2 Q Isn't that true?

3 A Again, anything is possible; and it can or
4 it cannot.

5 Q All right. But when soft tissue
6 structures, Doctor, are injured, torn and stretched, they
7 heal with the formation of scar tissue, don't they?

8 MR. SPRINGER: Again, objection. It's
9 not being applied specifically to this case, and there's no
10 evidence or no testimony at this point that this is what
11 happened.

12 A They can or they cannot.

13 Q And when scar tissue forms, internal scar
14 tissue, that can sometimes bind and constrict the nerves --

15 MR. SPRINGER: Objection.

16 Q -- that are adjacent to the scar tissue;
17 isn't that true?

18 MR. SPRINGER: Objection.

19 A I am not aware of that being reported in
20 the medical literature. Maybe in the legal literature, but
21 not in the medical literature.

22 Q Well, scar tissue isn't the same as the
23 old tissue; it's tougher and denser, isn't it?

24 A It can be.

25 Q Now, Doctor, you see patients who have

2 chronic low back pain in yourself in your own clinical
practice, don't you?

3 A I -- I see patients who have pain over a
4 number of years, yes.

5 Q You would characterize those patients as
6 being chronic, as opposed to acute, wouldn't you?

7 A Yes.

8 Q And when you see those patients, who makes
9 the decision on that patient's treatment, you or the
10 patient?

11 A I do.

12 Q And that's your role as the treating
13 doctor, isn't it?

14 A Yes.

15 Q So when a patient comes to a doctor for
16 help and the doctor decides what the treatment -- the doctor
17 is the one that decides what treatment may or may not be
18 necessary for his or her care?

19 A The doctor makes a treatment plan, and the
20 patient can either agree or disagree with that plan.

21 Q And in this case, you have no evidence
22 that the patients were noncompliant with their physicians'
23 instructions, do you?

24 A Well --

25 Q These patients complied with the treatment

1 plan of their treating doctors, didn't they?

2 A I can't answer that question because, as
3 you've pointed out, I haven't seen their treating physician
4 doctors' records; so I don't know whether there was
5 compliance or not.

6 Q Do you have any information, Doctor, to
7 indicate that either Mr. Blue or Eleanor Blue were
8 noncompliant patients?

9 A I don't have any information that they
10 were noncompliant patients nor any information that they
11 were compliant patients.

12 Q Now, the, much of the treatment plan that
13 the treating doctor comes to is within his or her clinical
14 judgment; isn't that the case?

15 A Yes.

16 Q You prescribe medication to alleviate
17 pain; you prescribe medication to stop inflammation and
18 relax the muscles and so forth; and that's all part of
19 treatment, isn't it?

20 A Yes.

21 Q And what I'm getting to, Doctor, is a
22 patient has a right to be treated for pain, doesn't he?

23 A Right.

24 Q And alleviation of pain is one of a
25 physician's roles?

1 A Correct.

2 Q And if the pain is long-term or chronic,
3 with some patients, as in your own clinical practice, they
4 have a right to get treatment over the long term to
5 alleviate that pain, don't they?

6 A Yes.

7 Q I wanted to go over some of your medical
8 findings. You were asked whether or not the X-rays, what
9 the X-ray findings were, and first I wanted to clarify to
10 the jury, X-ray, X-rays really don't image soft tissue
11 injuries very well, do they?

12 A Routine radiographs do not.

13 Q And those were the plain X-rays, the type
14 of X-rays that were taken in this case; isn't that true?

15 A That's correct.

16 Q So people who have sustained soft
17 tissue -- injuries to the soft tissue structures, you
18 wouldn't expect those injuries to show up on their plain
19 X-ray studies, would you?

20 A I would not expect the type of mild soft
21 tissue injuries that Mr. Blue and Miss Blue sustained in
22 this particular case to show up on radiographs that I
23 obtained some three and a half years after the accident, no.

24 Q Okay. You wouldn't expect people who've
25 sustained just injuries to the soft tissue structures, for

those injuries to show up on X-ray studies; correct?

A Depends upon the type of soft tissue injury.

Q But strains and sprains, you wouldn't expect those to show up on X-ray study, would you?

A As a matter of fact, sprains can be diagnosed on X-ray.

Q I didn't ask whether they can. I asked whether you would expect those to show up on plain X-ray?

A I would expect a sprain to show up on a routine X-ray.

Q Okay. Is that how a sprain is diagnosed then?

A No injury is diagnosed merely on an X-ray. An injury is diagnosed by obtaining a history, performing a physical examination, and reviewing X-rays and putting the whole package together.

Q And then by your testimony, if, in fact, you would expect a strain to show up on an X-ray study --

MR. SPRINGER: He didn't say that.

THE WITNESS: You stole my thunder.

MR. SPRINGER: I'm sorry.

Q You didn't look at the X-rays, did you?

A Wait a minute. You asked me a question; you never finished the question, so we'll forget that one

1 and go on to the next one. I did not review the radiographs
2 that were obtained at the time of these injuries; no, I did
3 not.

4 Q And MRI's, Doctor, typically are taken to
5 rule out disk herniation or nerve root compression; isn't
6 that true?

7 A No, MRI's are taken for a variety of
8 reasons.

9 Q And you can have chronic **low** back strain
10 and pain in the presence of a normal MRI, can't you?

11 A I don't believe that anybody has a chronic
12 low back strain. But their MRI certainly would be normal.

13 MR. FEKETE: Off the record for a
14 moment.

15 (Whereupon an off-the-record discussion was had.)

16 Q Doctor, you, in your examination of Mr.
17 Blue and Eleanor Blue, *you* examined range of motion in both
18 the lumbar and cervical and thoracic spine, didn't you?

19 A Yes:

20 Q And in Mr. Blue's case, you found that the
21 forward bending or flexion was restricted; correct?

22 A No.

23 Q All right. In his lumbar spine?

24 A Oh, in his lumbar spine, yes.

25 Q Yes. All right. How much should normal

range of motion be in the lumbar spine --

A Normal --

Q -- for forward bending or flexion?

A Normal range of motion of the lumbar spine is the ability to touch the tops of your feet or to touch your toes.

Q Ninety degrees?

A It's not really measured in, in degrees. It's the functional aspect of what an individual does.

Q And in his case, that was restricted?

A Yes.

Q And you also measured his lateral or side-to-side range of motion?

A Correct.

Q You found that to be normal?

A Correct.

Q But the backward bending or extension of the lumbar spine you found to be restricted, didn't you?

A Yes.

Q In fact, you found it to be restricted to 50 percent of what's normal?

A Correct.

Q And Dr. Baker also reported limitation in back motion, didn't he?

A In his letter.

1 Q So Mr. Blue had abnormal range of motion
2 of the lumbar spine, didn't he?

3 A He demonstrated abnormal motion of the
4 lumbar spine, yes.

5 Q And with Eleanor Blue's case, you examined
6 the range of motion of her cervical, thoracic and lumbar
7 spine and found her range of motion to be normal in those
8 planes?

9 A Yes.

10 Q But you didn't look at the, or you were
11 not provided with the physical therapy records from Sharon
12 Regional Hospital, so you -- I assume you were not aware
13 that the records there indicate abnormal range of motion?

14 A That's correct; I did not review those
15 records.

16 Q And you also examined Eleanor Blue's trunk
17 flexion, didn't you?

18 A I'm not familiar with that term; I'm
19 sorry.

20 Q Forward, bending forward of the lumbar
21 spine.

22 A Of her lumbar spine?

23 Q Yes.

24 A Yes. We talked about that already.

25 Q You found it to be normal?

A Yes.

Q But you were not aware that at Sharon Regional Hospital she only had 30 degrees with pain on both sides, were you?

A That's correct.

Q And as far as lumbar spine extension is concerned, backward bending, you found it to be normal?

A Yes.

Q But you did not know that at Sharon Hospital it was noted to be only 10 percent?

A That's correct. In fact, I don't even know when you're talking about. The day after the accident:

Q No, June of 1993, over three months later.

A I see.

Q And lateral flexion with Eleanor Blue, side bending, you found to be normal?

A Of what part of her body, please?

Q Lumbar spine.

A Yes.

Q And were unaware that at Sharon Regional Hospital the records note that she had only 20 degrees with pulling sensation?

A That's correct.

Q That would be an abnormal finding, wouldn't it?

A It is, yes, an abnormal subjective finding.

Q Doctor, you also tested reflexes of both Mr. Blue and Eleanor Blue, didn't you?

A Yes.

Q And you do that as a matter of course in evaluating a patient for low back pain, don't you?

A Yes.

Q And would you -- excuse me -- would you agree that the purpose generally is to determine if there's any neurological deficit, any nerve involvement?

A Yes.

Q The presence of abnormal reflexes, you would agree, may indicate nerve root involvement; isn't that true?

A May indicate nerve root involvement. Does not necessarily indicate nerve root involvement.

Q That was my question. Now, normal --

A I just wanted to reinforce it.

Q Normal reflexes are usually symmetrical, aren't they?

A Correct.

Q And in this case you noted Mr. Blue's reflexes for his upper extremities, relating to his cervical spine, as normal and symmetrical, didn't you?

1 A Yes.

2 Q And that would tend to rule out nerve root
3 involvement in his neck; true?

4 A True.

5 Q But you did find abnormality in the deep
6 tendon reflexes of the lower extremities, didn't you?

7 A Yes.

8 Q His achilles tendon reflexes were 2+ on
9 one side and only 1+ on the other; right?

10 A Correct.

11 Q And achilles tendon reflexes are checked
12 with a percussion hammer, aren't they?

13 A Yes.

14 Q And that's considered a more or less
15 involuntary response, isn't it?

16 A Yes.

17 Q So it's considered an objective finding
18 true?

19 A Yes.

20 Q All right. So Mr. Blue then had abnormal
21 deep tendon reflexes, didn't he?

22 A I think we discussed that. He did.

23 Q Now, abnormal reflexes, Doctor, of the
24 lower extremities, coupled with pain reported to be
25 radiating into the legs, would you agree, is consistent with

1 nerve root involvement, isn't it?

2 A It is consistent with but not diagnostic
3 of.

4 Q I agree. It is not conclusive or
5 diagnostic, but it is consistent with?

6 A Absolutely.

7 Q And to your knowledge, Mr. Blue never had
8 radiating back pain, that is, pain radiating into the legs,
9 before the accident of March 9, 1993, did he?

10 A That's correct.

11 Q Doctor, you also talked about evaluating
12 tenderness, point tenderness, spasms and trigger points.
13 you do that as a matter of course in evaluating a patient
14 for low back pain and pain in -- and pain in the neck
15 region, don't you?

16 A Yes.

17 Q Would you agree that muscle spasms are
18 more or less involuntary contracting of the muscles?

19 A True muscle spasm is, yes.

20 Q And what are trigger points?

21 A I don't know. That's not something I
22 routinely describe.

23 Q Trigger points is an accepted condition by
24 some physicians who deal with rehabilitation medicine, isn't
25 it?

1 A There are always some physicians who will
2 accept some things. The majority of orthopedic surgeons do
3 not accept the term trigger points.

4 Q But the presence of both indications,
5 muscle spasms, true muscle spasms, as you've stated, and
6 focal points of tenderness, would be consistent with soft
7 tissue injuries to the muscles, to the musculature, and
8 specifically the paraspinal muscles of the neck and the
9 back --

10 A Yes.

11 Q -- wouldn't they? Now, in your
12 examination of Willie Blue, you did find tenderness upon
13 palpation of the lumbar spine and in each buttock, didn't
14 you?

15 A I found tenderness with the lightest of
16 palpation of the spinous processes and in each buttock.

17 Q You've already testified several times
18 that this was the first and only time that you saw Mr. Blue;
19 true?

20 A I haven't changed my opinion in the last
21 45 minutes or half hour that you've asked, since you last
22 asked me that question.

23 Q I'm not making a big point about it. All
24 I mean --

25 A You're not? You keep asking the same

question over and over again. Sounds to me like you're making a big point about it. I examined him one time.

Q Okay.

A For the record, for the jury.

Q My point being, he may have been somewhat uncomfortable when you saw him; right?

A He may have been.

Q Your hands may have been cold; correct?

A They may have been.

Q And that can cause tenderness, those two factors combined?

A The fact that he --

Q Isn't that one possibility?

A The fact that he's uncomfortable and my hands are cold can cause tenderness? I don't think cold hands cause tenderness. The point is that I applied the lightest of palpation. I stroked his skin. I didn't apply any pressure.

Q All right.

A There's no explanation for that.

Q And but you've never treated him at any point in time, and so it's fair to say he was not used to being examined by you; and neither was Eleanor Blue, for that matter; would you agree?

A I would agree they were not used to being

1 examined by me.

2 Q You didn't examine Dr. Detelich's records,
3 so you wouldn't be aware as to whether or not they reflected
4 true muscle spasms and trigger points, would you?

5 A Correct.

6 Q You didn't examine the physical therapy
7 records from Sharon Hospital, so you wouldn't be aware
8 whether or not those showed true muscle spasms from the T12
9 level down to the third level of the lumbar spine, would
10 you?

11 A Correct.

12 Q You also tested for straight leg raising;
13 correct?

14 A Yes.

15 Q And that's considered an objective
16 orthopedic test, isn't it?

17 A No. It's still a subjective test.

18 Q And in that test, the patient lies down
19 supine on the examining table; true?

20 A True.

21 Q And you put one hand under the heel and
22 the other one over the knee to prevent the knee from being
23 flexed, don't you?

24 A Correct.

25 Q And then you raise up the leg to see if

you can raise it up to the normal degree of 90 degrees or whether you encounter pain; true?

A With all due respect, it doesn't hurt me to do it, so I wouldn't encounter pain.

Q Well, when I say encounter pain, I mean encounter a pain response from the patient?

A If the patient resists what I'm doing or complains of pain, then I stop.

Q All right. And you noted, I think, normal: straight leg raising, didn't you?

A Sitting straight leg raising was normal for both people, yes.

Q For Mr. Blue, supine straight leg raising was abnormal, wasn't it?

A Yes.

Q And since you didn't review the physical therapy records from Sharon Hospital, you wouldn't know whether or not Eleanor Blue had positive straight leg raising recorded in those records, would you?

A No. Or yes, I wouldn't know.

Q Did you do a lumbar extension test?

A You haven't been listening.

Q Oh, I have been listening.

A Well, we talked about the fact that Mr. Blue demonstrated 50 percent of normal lumbar extension.

You asked me about that. We talked about Eleanor Blue. We said that her motion was normal. So we've already discussed that.

Q All right I stand corrected. Did you do a Yeoman's test?

A I'm not a sailor. I didn't do a Yeoman's test.

Q Do you know what a Yeoman's test is?

A It's a chiropractic test and not one that I do.

Q All right. Now, you've talked about subjectivity of tests versus objectivity of tests. And you indicated that range of motion tests for the cervical spine and the lumbar spine are subjective?

A Correct.

Q You've indicated that palpation for tenderness is subjective?

A Correct.

Q Calling for a subjective response from the patient?

A Yes.

Q But notwithstanding that, the subjectivity of those tests, you did them all, didn't you?

A Say again?

Q Notwithstanding the fact that you consider

1 all those tests subjective, you did all of those tests on
2 both of these patients, didn't you?

3 A Oh, yes.

4 Q And you do all of those tests, all of
5 those subjective tests, in your own clinical practice as a
6 routine basis, don't you?

7 A Yes.

8 Q Now, would you agree, Doctor, that when
9 injuries are acute, the involved tissues are usually
10 inflamed and swollen, so it is generally easier with soft
11 tissue injuries to elicit objective physical signs when
12 they're acute, isn't it?

13 A Yes.

14 Q But when the injuries become chronic, the
15 inflammation and swelling has usually subsided?

16 A Yes.

17 Q And you saw these patients in a chronic
18 state, as opposed to an acute stage; right?

19 A No.

20 Q Well, you saw them three and a half years
21 after the accident?

22 A Right. But your use of the term chronic
23 implies that I agree with you that there was still something
24 wrong with them when I saw them. There wasn't anything
25 wrong with them when I saw them, so I didn't see them in a

chronic stage; I saw them in a healed stage.

Q You took a history about Mr. Blue's
previous health and about Eleanor Blue's previous health,
didn't you?

A Yes.

Q And he was never involved in an accident
before, was he?

A That's what he told me.

Q She was never involved in an accident
before?

A That's what she told me.

Q And you don't have any information to the
contrary, do you?

A No, I don't.

Q And had that been the case, certainly that
information would have been provided to you by Mr.
Springer's office; wouldn't it have?

A With all due respect to Mr. Springer, I
don't know what he would have provided or what he wouldn't
have provided. I took a history, and that's what the people
told me.

Q Fair enough. Neither Mr. Blue or Eleanor
Blue had any neck or back surgery before, did they?

A No.

Q To your knowledge, neither ever injured

1 either neck or back before, did they?

2 A That's correct.

3 Q And they had no pre-existing neck or back
4 injuries, to your knowledge, and never been treated by a
5 doctor for any neck or back injuries before either; correct:

6 A Were -- I'm not sure I understand the
7 question because there is the --

8 Q I'll rephrase it.

9 A Okay.

10 Q I'll rephrase it. Neither Mr. Blue nor
11 Eleanor Blue ever saw a doctor or received any medical
12 treatment for any neck or back pain before the motor vehicle
13 accident of March 9, 1993, did they?

14 A To my knowledge, they did not.

15 Q And in your own clinical practice, you
16 have patients who have chronic low back pain years after an
17 accident, don't you?

18 A No.

19 Q Doctor, may I ask, what is your fee or
20 what was your fee for having examined Mr. Blue?

21 A I don't have a recollection of what I
22 charged for obtaining the history, performing the physical
23 examination, reviewing the records and preparing the report.

24 Q Well, surely you must know what you
25 customarily charge for those services, don't you?

1 A I don't have a customary charge for that
2 service.

3 Q You don't have a customary hourly charge
4 of --

5 A That wasn't your question.

6 Q -- three or four hundred dollars an hour?

7 A That wasn't your question. I have an
8 hourly charge; but each examination that I do varies in the
9 amount of time that it takes me to do that. So I don't have
10 any knowledge of what I charged in toto for that exam. I
11 can tell you what I charged on an hourly basis.

12 Q Would your files have the -- excuse me --
13 would your files have the amount of the total charges that
14 were billed for your examination?

15 A You've looked through my file. It doesn't
16 contain the charge.

17 Q Okay.

18 A It's not part of the medical record.

19 Q Okay. What then is your standard hourly
20 charge for examining a patient at the request of a defense
21 law firm?

22 A My standard charge for examining a
23 patient, regardless, is \$350 an hour.

24 Q All right. And you would have charged
25 that for both, for each, Mr. Blue and Eleanor Blue; right?

1 A Yes.

2 Q Do you also have an additional charge for
3 reviewing records?

4 A Yes.

5 Q And what would that charge be?

6 A The charge for this service, as i is for
7 any service that I provide, one that you might request of
8 me, another patient, reviewing patient records, is \$350 an
9 hour.

10 Q All right. Do you also have an additional
11 charge for consultation? You met with Mr. Springer, I
12 assume, before this deposition for some period of time. Do
13 you also have a charge for that?

14 A Yes.

15 Q And what is that charge?

16 A That charge is the same as the charge for
17 the deposition this evening.

18 Q That's included with that charge?

19 A Yes.

20 Q Did you meet with Mr. Springer on more
21 than one occasion?

22 A No.

23 Q Did you have a telephone conference with
24 him?

25 A No.

1 Q And you have a separate charge then for
2 depositions?

3 A Yes.

4 Q What you're doing right now?

5 A Correct.

6 Q And what is that particular charge?

7 A \$450 an hour.

8 Q All right.

9 MR. SPRINGER: So you're costing me
10 money.

11 Q You never have to see these, either of
12 these patients again, either Mr. Blue or Eleanor Blue, do
13 you?

14 A I don't have to, but I would be more than
15 happy to.

16 Q Okay. But you don't intend to?

17 A It's entirely up to them. If they want to
18 schedule an appointment, I'll be happy to see them and treat
19 them, if they need treatment.

20 MR. FEKETE: I have no further
21 questions. Thank you.

22 THE WITNESS: You're welcome.

23 REDIRECT EXAMINATION:

24 By Mr. Springer

25 Q Doctor, I just have a few questions on

1 redirect. First of all, you have an active practice in
4 which you see patients, I take it, on a daily basis?

3 A Yes.

4 Q Are some of those patients involved in
5 accidents of various types that lead to litigation?

6 A Yes.

7 Q Have you over the years testified for your
8 patients?

9 A Yes.

10 Q So you don't testify or examine
11 exclusively for defendants?

12 A That's correct.

13 Q Your examination, history-taking and so
14 forth of these people, was it any different than any patient
15 you see that you treat?

16 A No.

17 Q Now, Doctor, you were questioned
18 extensively about the fact that you have not seen the X-rays
19 or the MRI films. Doctor, did you assume that the
20 physicians who interpreted those films, be they MRI's, CAT
21 scans or X-rays, were competent and reported the findings --
22 that those findings that they reported were accurate?

23 A I assume that the radiologist,
24 radiologists who interpreted the radiographs of the cervical
25 spine of Mr. Blue and the other radiographs that were

1 obtained of both Miss Blue and Mr. Blue in the hospital were
2 competent. I assume that the radiologists who reviewed the
3 various MRI's and CT scans were competent.

4 Q Okay. And the doctors' reports that you
5 reviewed from Dr. Baker, the chiropractor, Dr. Detelich, did
6 you again assume, as you do in your reports, assume that'
7 these were accurate and comprehensive reports of what they
8 considered important of their records?

9 MR. FEKETE: Objection.

10 A I assumed that the reports that I reviewed
11 were an actual and accurate reflection of their office
12 records that they maintained, yes.

13 MR. FEKETE: Move to strike.

14 Q Now, Doctor, I don't know how many times
15 you were asked about seeing these people just one time.

16 A More than once.

17 Q We'll agree with that. In your practice
18 as an orthopedic surgeon, are you frequently called upon by
19 other physicians as a consultant?

20 A Yes, from time to time.

21 Q And in many of those instances where you
22 are called upon as a consultant, do you see and examine the
23 patient just one time?

24 A Yes.

25 Q And based upon that one examination, do

you render opinions and suggestions for treatment of those particular patients?

A Yes.

Q Is there anything at all unusual about that in the medical profession?

A No.

Q You were asked about the abnormal range of motion in the lumbar spine of Mr. Blue, the findings of deep tendon reflexes of Mr. Blue. Is there anything about those findings inconsistent with the opinions you rendered?

A No. I took those findings into consideration when I rendered my opinions, so they are consistent with my opinions.

Q Are -- and why -- why are they consistent? I mean, you say, we find abnormal range of motion; we find deep tendon reflex, reflex abnormal findings. These sound inconsistent. Why are they not, Doctor?

A Well, okay. I understand the -- the question. First of all, my opinion is that, when I saw Mr. Blue, he had recovered from his injuries and had no residuals.

MR. FEKETE: Objection. Move to strike.

A Therefore, how is it that that opinion can be consistent with those abnormal findings? Well, first of

all, I think we spent considerable amount of time explainin
that the subjective findings that I look for on all my
patients of Mr. Blue's limitation of flexion and extension
was not consistent with his performance of the Burn's test;
and, therefore, I believe that he was not being truthful
when he performed those two maneuvers.

MR. FEKETE: Objection and move to
strike.

A The absence or lack of symmetry of the
deep tendon reflexes is one finding. One does not make a
finding of nerve root compression, injury to an
intervertebral disk, injury to the soft tissues of the back
or really any injury based on a single, isolated finding.
There were no other findings on examination that indicated
that that minimal asymmetry in Mr. Blue's deep tendon
reflexes was of any clinical significance.

MR. FEKETE: Objection. Move to
strike.

MR. SPRINGER: Thank you very much,
Doctor. Nothing further.

THE WITNESS: You're welcome.

RE CROSS EXAMINATION:

By Mr. Fekete

Q You also found abnormal deep tendon
reflexes for Eleanor Blue, didn't you?

1 A Yes.

2 Q All right. And again, that's considered
3 an objective finding, isn't it?

4 A Yes.

5 Q And -- thank you. Did you do a Hoover's
6 test?

7 A No.

8 Q That's a test for malingering, isn't it?

9 A Yes.

10 Q You didn't do that test?

11 A You just asked me.

12 Q Did you do a --

13 A I said I didn't.

14 Q Did you do a -- sorry. Did you do a
15 Magnuson's test?

16 A Let's make this a learning experience.
17 What's a Magnuson s test?

18 Q It's another test, an orthopedic test, I
19 believe, for malingering.

20 A Could you explain it to me, please?

21 Q Well, I will.

22 MR. FEKETE: Let's go off the record
23 for a minute.

24 (Whereupon an off-the-record discussion was had.)

25 Q Doctor, you were asking me about if I knew

1 what a Magnuson's test is; and as I understand the
2 procedure, assuming that the patient with alleged low back
3 pain is asked to point to the site of the pain, and the
4 examiner marks that site; the examiner then distracts the
5 patient by performing any relevant examination away from the
6 marked site of the pain; then, resuming the examination of
7 the low back, the test is positive with any significant
8 change of the site of the pain. You didn't perform that
9 test, did you?

10 A That's correct; I did not.

11 Q All right. So we've talked about three
12 tests for malingering, only one of which you performed;
13 right?

14 A Correct.

15 MR. FEKETE: Thank you.

16 THE WITNESS: You're welcome. Thank
17 you. That was informative.

18 MR. SPRINGER: Nothing further.

19 THE WITNESS: I'll waive my signature
20 to the reading of the deposition and viewing of the
21 videotape.

22 MR. SPRINGER: Thank you.


23 SIGNATURE WAIVED

24

25

REPORTER'S CERTIFICATE

I HEREBY CERTIFY that the above and foregoing is a true and correct transcript of all the testimony introduced and proceedings had in the taking of the testimony in the above-entitled matter, as shown by my stenotype notes taken by me at the time said testimony was taken.



Mary J. Carney
Registered Merit Reporter

1 STATE OF OHIO)
2)
3 CUYAHOGA COUNTY)


SS:

CERTIFICATE

4 I, Mary J. Carney, Notary Public within
5 the State and County aforesaid, duly commissioned and
6 qualified, do hereby certify that the above-named, DENNIS B.
7 BROOKS, M.D., was by me first duly sworn to testify the
8 truth, the whole truth, and nothing but the truth, and that
9 the foregoing deposition was written by me in stenotype in
10 the presence of the witness; that by agreement of counsel,
11 signature was waived.

12
13 I do further certify that I am not of
14 counsel, attorney or relative to either party, or otherwise
15 interested in the event of this action or proceeding.

16
17 IN WITNESS WHEREOF, I have hereunto set
18 my hand and seal of office at Youngstown, Ohio, this 22nd
19 Day of January, A.D., 1997.

20
21 
22 Mary J. Carney, Notary Public
23 My Commission Expires 7/24/00
24
25