

1 IN THE COURT OF COMMON PLEAS

2 CUYAHOGA COUNTY, OHIO

3 DIANE M. CARRICK, Executrix
4 of the Estate of Michael P. Carrick,

5 Plaintiff,

6 -vs-

JUDGE KILCOYNE
 CASE NO. 185330

7 THE CLEVELAND CLINIC FOUNDATION, et al.,

8 Defendants.

9 - - - -

10 Deposition of THOMAS A. BROUGHAN, M.D.,
11 taken as if upon cross-examination before
12 Sandra L. Mazzola, a Registered Professional
13 Reporter and Notary Public within and for the
14 State of Ohio, at the offices of Law Offices of
15 Charles Kampinski, 1530 Standard Building,
16 Cleveland, Ohio, at 10:10 a.m. on Wednesday,
17 November 7, 1990, pursuant to notice and/or
18 stipulations of counsel, on behalf of the
19 Plaintiff in this cause.

20 - - - -

21 MEHLER & HAGESTROM
22 Court Reporters
23 1750 Midland Building
 Cleveland, Ohio 44115
 216.621.4984
24 FAX 621.0050
 800.822.0650
25

APPEARANCES:

Charles I. Kampinski, Esq.
Christopher F. Mellino, Esq.
Charles Kampinski Co. L.P.A.
1530 Standard Building
Cleveland, Ohio 44113
(216) 781-4110,

On behalf of the Plaintiff;

George F. Gore, Esq.
Arter & Hadden
1100 Huntington Building
Cleveland, Ohio 44115
(216) 696-1100,

On behalf of Defendant
Cleveland Clinic Foundation;

Leslie J. Spisak, Esq.
Reminger & Reminger
7th Floor 113 St. Clair Building
Cleveland, Ohio 44114
(216) 687-1311,

On behalf of Defendant
Ralph P. Riley, M.D.;

John M. Baker, Esq.
Weston, Hurd, Fallon, Paisley & Howley
2500 Terminal Tower
Cleveland, Ohio 44113
(216) 241-6602,

On behalf of Defendant
Lakewood Hospital Association.

ALSO PRESENT:

Gwen Holler.

1 THOMAS A. BROUGHAN, M.D., of lawful age,
2 called by the Plaintiff for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF THOMAS A. BROUGHAN, M.D.

8 BY MR. KAMPINSKI:

9 Q. Would you state your full name?

10 A. Thomas A. Broughan, B R O U G H A N.

11 Q. Okay. And where do you live, Doctor?

12 A. In Highland Heights.

13 Q. Your address?

14 A. 462 Sandhurst.

15 Q. Sandhurst?

16 A. Yes.

17 Q. I want to ask you a number of questions this
18 morning. If you don't understand any of them,
19 tell me. I'll be happy to rephrase any question
20 you don't understand. When you respond to my
21 questions, please do so verbally. She is going
22 to be taking down everything we say and she
23 can't take down a nod of your head. Okay?

24 A. Yes.

25 Q. How old are you, Doctor?

- 1 A. Thirty-seven.
- 2 Q. All right. I haven't completely absorbed your
3 CV, so why don't you in your own words just run
4 me through your educational background.
- 5 A. Went to Wickliffe Senior High School, Allegheny
6 College.
- 7 Q. When did you graduate from high school?
- 8 A '71.
- 9 Q. Go ahead.
- 10 A. Allegheny College, a B.S. in chemistry in '75.
11 University of Cincinnati College of Medicine in
12 '79.
- 13 Q. And then you did your internship and residency
14 at the Cleveland Clinic between '79 and '84 in
15 surgery?
- 16 A. Yes.
- 17 Q. Did you specialize at that time in any type of
18 surgery?
- 19 A. I did a one-year fellowship following that in
20 hepatobiliary.
- 21 Q. And that was also at the Clinic?
- 22 A. Yes, although most of the time was spent outside
23 the Clinic.
- 24 Q. An exchange type of program?
- 25 A. It was actually spent in Pittsburgh and London.

1 Q. But you were still affiliated with the Clinic?

2 A. Right, right.

3 Q. And that was '84, '85?

4 A. I think so, yeah.

5 Q. What did you do after that?

6 A. I came on staff at the Cleveland Clinic.

7 Q. And have been there since?

8 A. Yes.

9 Q. What positions have you held at the Clinic since
10 starting to work for them, I guess it would be
11 what, '85?

12 A. July '85, I believe. Staff surgeon.

13 Q. And is your surgery specialized in any area
14 since you've been a staff surgeon?

15 A. Yeah.

16 Q. In what?

17 A. In endocrine and in hepatobiliary.

18 Q. And what is that, endocrine?

19 A. Yea. Endocrine deals primarily with thyroid,
20 parathyroid and endocrine pancreas.

21 Q. And hepatobiliary?

22 A. Is liver and the bile ducts.

23 Q. Are you Board-certified?

24 A. Yes.

25 Q. When were you Board-certified?

1 A. I think it was '85.

2 Q. Okay Was that your first time?

3 A. Yes.

4 Q. You haven't failed the Board certification test?

5 A No. There's an oral and written and I passed
6 both the first time.

7 Q. It lists on here that you're a member or a young
8 surgeon representative of the Ohio Chapter,
9 American College of Surgeons, 1990?

10 A. Yes.

11 Q. What's a young surgeon?

12 A. The American College of Surgeons formed an ad
13 hoc committee to try to get young surgeons more
14 involved in the socioeconomic aspects of
15 medicine, and in order to do that, they asked
16 the state chapters to pick what they thought
17 were the cream of the crop of the young surgeons
18 in their chapter and sent them to Chicago to the
19 headquarters of the American College for a
20 program, and I was picked that year. They pick
21 a new person each and every year.

22 Q. What is the age cutoff, young surgeon versus
23 middle-aged or old?

24 A. I guess it's all the state of mind. There's no
25 age cutoff as far as I know.

1 Q. What department are you in?

2 A. General surgery.

3 Q. And who is the chairman of the department?

4 A. Robert Hermann.

5 Q. And in terms of hierarchy, who would you report
6 to within the department?

7 A. Hermann. Hermann.

8 Q. And that's all?

9 A Yeah.

10 Q Has that been true since '85, since you've
11 started there?

12 A Right.

13 Q How many staff surgeons are in your department?

14 A It has varied from time to time, but there have
15 been about six to eight.

16 Q Is Dr. Nakamoto in your department?

17 A No.

18 Q What department is he in?

19 A. Hypertension, nephrology.

20 Q. Do you know what kind of a physician he is? I
21 mean is he a surgeon?

22 A. He's a medical internist type.

23 Q. How is it that you got involved with Mr.

24 Carrick? And any time you need to look at the
25 records, Doctor, feel free to do so. My guess

1 is you've looked at them before coming here,
2 right?

3 A. Yes, sir.

4 Q. And you pretty well know what's in there?

5 A. I tried to look at the pertinent parts.

6 Q. But any time you need to refer to it as pertains
7 to any question or answer, go ahead and look at
8 it and just tell me where you are looking.

9 How did you come to see Mr. Carrick?

10 A. Dr. Heyka referred him to me.

11 Q. And where was that?

12 A. In the office. You want the exact date?

13 Q. Yes, please.

E4 MR KAMPINSKI Have you numbered
15 the pages of these?

16 MR. GORE: Yes. I sent you a copy
17 of the numbered pages.

E8 Q. Any time you refer to the record, why don't you
19 refer to the numbered page and then indicate
20 what the page is.

21 A. Fine. I'll try to do that.

22 MR. SPISAK: George, we've got that
23 same copy, I assume? I don't have mine with me.

24 MR. GORE: We did that after the
25 last deposition so you might not have one.

1 A. April 4, 1989.

2 MR. GORE: And he is looking at

3 876.

4 A. Right.

5 Q. That's page 876?

6 A. Page 876, correct.

7 Q. Are those progress notes?

8 A. Those are Clinic notes.

9 Q. And that would be your note?

10 A. Yes.

11 Q. And that's the first time you saw him?

12 A. Yes.

13 Q. Where did you see him?

14 A. I saw him in my office.

15 Q. Which would have been at the Clinic?

16 A. Right.

17 Q. You're an employee of the Clinic?

18 A. Yes, I am.

19 Q. And what was the reason that he was referred to
20 you?

21 A. Secondary hyperparathyroidism.

22 Q. And who made that diagnosis?

23 A. Dr. Heyka.

24 Q. How do you make that diagnosis?

25 A. There are a number of different things that you

1 look for, an elevated parathyroid hormone level,
2 renal failure, metastatic calcifications, bone
3 and joint pain, ectopic calcifications, certain
4 x-ray findings.

5 Q. How was it made as it related to Mr. Carrick?

6 A. Mr. Carrick had chronic renal failure. He had
7 the bone changes. He had severe ectopic
8 calcifications and he had a very elevated
9 parathyroid hormone level.

10 Q. When you said secondary hyperparathyroidism, it
11 was secondary to what?

12 A. Secondary in terms of a response to the chronic
13 renal failure.

14 Q. And who was treating him for the chronic renal
15 failure?

16 A. Dr. Heyka.

17 Q. And how was he treating him?

18 A. I don't know that I can comment specifically on
19 his treatment plan, not being a nephrologist.
20 He was controlling his blood pressure. He was
21 trying to control some of his bone and joint
22 pain. I think that's as far as I could go.

23 Q. Did you talk to Dr. Heyka about the referral? I
24 mean did the two of you sit down and discuss Mr.
25 Carrick?

1 A. We talked by telephone after I had seen Mr.
2 Carrick.

3 Q. Oh, after you saw him?

4 A. After I saw him.

5 Q. All right. How did you know that you were going
6 to be seeing him?

7 A. I didn't. I just came into the office and it
8 was on the schedule for the morning.

9 Q. I see. So somehow he had been placed on your
10 schedule?

11 A. Right.

12 Q. And you saw him the morning of the 4th, April 4,
13 '89?

14 A. Correct.

15 Q. Okay Why don't you tell me what your findings
16 were on that visitation and then I'll ask you to
17 relate your conversation that you had with Dr.
18 Heyka after the examination. Okay. But start
19 with the examination if you would. And you're
20 referring to what page now?

21 A. I'm still on 876.

22 Q. Okay. Go ahead.

23 A. What I had was I had the notes from his prior
24 Cleveland Clinic hospitalization and his lab
25 values. On physical examination he was a very

1 uncomfortable, slender man. He was seated in a
2 wheelchair. And he had nothing palpable in his
3 neck.

4 Q. Is that the extent of the physical exam?

5 A. Yes.

6 Q. What lab values did you have that you felt were
7 important in your evaluation?

8 A. Parathyroid hormone level, calcium phosphates,
9 BUN and creatinine.

10 Q. Why were those important? And by the way, what
3.1 dates did you have those for? You said you had
12 his hospital record from a previous
3.3 hospitalization. Were those tests also, from the
14 previous hospitalization?

15 A. Yes, right.

16 Q. All right. Did you perform additional tests --

17 A. Nos

18 Q. What days were the test levels from? And once
19 again, when you find them refer to the page.

28 A. Okay. Fage 437, March 29.

21 Q. '89?

22 A. Pes. Sorry. 1989. Page 443. They were from
23 March 28, March 29, March 30.

24 Q. Is that it?

25 A. Yes.

1 Q. What were the levels that were important to you,
2 Doctor? You said calcium phosphate -- I'm
3 sorry. BUN?

4 A. Calcium was 7.8 milligrams per deciliter.
5 Phosphorus was 9.7 milligrams per deciliter.

6 Q. You are reading from what, sir, which page?

7 a. 443. BUN of 150 milligrams per deciliter.
8 Creatinine of 7.4 milligrams per deciliter. The
9 other thing I throw in there is alkaline
10 phosphatase, which is on the same page, of 302
11 international units per liter.

12 Q. Which of those were abnormal, sir?

13 A. All of them.

14 Q. And what did these mean to you, either all
15 together or individually, as it related to how
16 you were going to approach the care of Mr.
17 Carrick?

18 A. To me it confirmed Dr. Heyka's diagnosis.

19 Q. Of secondary hyperthyroidism?

20 A. Of secondary hyperthyroidism.

2% Q. All right. How do you treat that? Well, I'm
22 sorry. Did we finish your examination and
23 meeting with Mr. Carrick on the 4th?

24 A. I don't know.

25 Q. Well, why don't you look at your notes.

I A Well, I've completed what I had in my note.

2 Q Well, I mean did you talk to him about anything
3 further on that occasion?

4 A Oh, sure. We had a discussion of -- that I
5 agreed with Dr. Heyka and what the surgery would
6 entail.

7 Q Okay. So there was a discussion about a
8 surgical procedure with Mr. Carrick?

9 A Yes, yes.

10 Q Whose decision was it to have Mr. Carrick
11 undergo surgery? Was it yours, Dr. Heyka's or a
12 joint decision?

13 A Joint,

14 Q And just so I understand, when you say joint
15 decision, for example, if one of you didn't
16 agree that surgery should go forward, would that
17 be effectively a veto of the other one's
18 decision to do it?

19 A. Yeah.

20 Q. Okay. So it would require both of you to agree
21 in order for a surgery such as this to go
22 forward?

23 A Yes.

24 Q Are there ways to treat secondary
25 hyperparathyroidism absent surgery?

1 A. I think it depends on the severity as to what
2 the possibilities of treatment are going to be.

3 Q. Okay. Why don't you give me the range of
4 possibilities then.

5 A. Okay. I really have to speak as a nonexpert on
6 the medical treatment of secondary
7 hyperparathyroidism because it's not something
8 that I do.

9 O. In other words, it can be treated nonsurgically,
10 that is medically, but if it were treated in
11 such a fashion, that wouldn't be done by
12 yourself?

13 A. It wouldn't be done by myself, no.

14 Q. You are a surgeon, you operate.

15 A. The only reason that I would see such a patient
16 would be they had either failed medical therapy
17 or had gone too far for medical therapy.

18 Q. Well, what if you saw a patient who had, for
19 example, not even undergone medical therapy?
20 Would you be an appropriate person, even though
21 you're a surgeon, to say to the medical doctor,
22 Why don't you try medical therapy as opposed to,
23 Let's go in and have the surgery at this time?

24 A. What I'd do if somebody came into my office is I
25 would have them see one of our medical people

1 and allow them to make their own decision on
2 that.

3 Q Do I assume correctly then by virtue of the fact
4 that. he had been referred to you by Dr. Heyka,
5 that is, one of your medical people, did you
6 assume that the prospect of treating him
7 medically had already been considered by Dr.
8 Heyka and rejected?

9 A Yeah.

10 Q. Did you ever consider that possibility yourself?

11 A. Well, sure. You wonder if, you know, has this
52 gone so far that it's not going to be remediable
13 with medical therapy.

14 Q. And did you make that decision?

15 A. Yes.

16 Q. What was your decision?

17 A. That it had gone too far.

18 Q. And what is it that led you to believe that?

19 A He really was in excruciating pain. He was
20 quite uncomfortable, unable to really move
21 around much, and he had extensive ectopic
22 calcium deposition, not only in his soft tissues
23 but in a number of blood vessels, and I think
24 that easily tipped the balances toward surgery.

25 Q. Were there any serious risks regarding such a

1 surgery that concerned you as it related to the
2 lab values that you've read to me?

3 A. No. These are pretty typical of renal failure
4 patients with secondary hyperparathyroidism.

5 Q. What's the danger of a BUN in excess of a
6 hundred?

7 A. I don't think there's any particular danger.

8 Q. Is there a bleeding risk?

9 A. There's always a bleeding risk in a uremic
10 patient.

11 Q. Uremia being defined by you as someone who's BUN
12 is elevated?

13 A. Someone who's BUN and creatinine are elevated.
14 Whether on dialysis or not, that bleeding risk
15 is unchanged.

16 Q. Well, does dialysis cause BUN to decrease?

17 A. Yes, usually does.

18 Q. Did you have any discussions with Mr. Carrick --
19 by the way, was he by himself when you met with
20 him?

21 A. I don't believe so.

22 Q. Do you recall who he was with?

23 A. Not exactly, no.

24 Q. Were there any discussions about possibly
25 dialyzing him to reduce his BUN before

1 subjecting him to surgery?

2 A. I didn't have any conversation about dialysis
3 with Mr. Carrick.

4 Q. All right. Tell me what you recall the
5 conversation being with Mr. Carrick on that
6 occasion and whoever it is that was with him.

7 A. The best I can remember I simply related to the
8 fact that I agreed with Dr. Heyka, he did have
9 secondary hyperparathyroidism and would best be
10 treated surgically for it.

11 Q. Okay. Now, the surgical treatment that you were
12 referring to was not going to take care of his
13 uremia problem, was it?

14 A. No.

15 Q. And that would still presumably be attended to
16 by Dr. Heyka?

17 A. Or one of the members of the department of
18 hypertension and nephrology.

19 Q. Okay. How long did that meeting take, by the
20 way, that you had with Mr. Carrick, do you
21 recall?

22 A. I really can't recall.

23 a. If you had to guess, what would you say?

24 A. I'm not even sure I could. I try to spend as
25 much time as the patient or the family requires

1 in terms of answering all questions and being
2 sure that they're comfortable with what we've
3 discussed and what we've planned. So I really
4 couldn't accurately say.

5 Q. Okay. How long after that meeting -- well, was
6 surgery scheduled right then and there?

7 A. Now, that I don't remember, if it was scheduled
8 right then and there or we called and let him
9 know later when surgery was scheduled.

10 Q. Okay. Were you at that time, April of '89, the
11 youngest member of the surgical department?

12 A Yes.

13 Q. Is there a reason that you received this
14 referral?

15 A. There are two of us in the department that do
16 endocrine surgery, and the referrals are mixed
17 between the two of us.

18 Q. Who was the other one?

19 A. Caldwell Esselstyn, Jr.

20 Q. Can you spell the last name?

21 A. E S S E L S T Y N, Jr.

22 Q. And what's the extent of Dr. Esselstyn's
23 experience? How long has he been at the Clinic,
24 do you know?

25 A. Been there 25 years, I believe.

1 Q. Had you ever seen a case --

2 A. Of secondary parathyroidism?

3 Q. Yes.

4 A. Yes.

5 Q. How many?

6 A. I'd probably seen about five to seven before
7 then.

8 Q. Did you mention to either Mr. Carrick or whoever
9 it is that was with him your level of experience
10 with secondary hyperparathyroidism?

11 A. Na.

12 Q. You never said that you've never seen anything
13 like that before?

14 A. Oh, I'd seen something like it before, sure.

15 Q. My question is did you ever say that to them?

16 A. That I had seen something like that before?

17 Q. That you had never seen something like that
18 before.

19 A. But I had seen something like that before.

20 Q. I understand your answer. My question is did
21 you ever say to Mr. Carrick or to whoever it is
22 that was with him that you had never seen
23 anything like it before? Maybe the question
24 answers itself based upon the fact that you had
25 told me that you had seen something like it.

- 1 A. Yeah.
- 2 Q. Had they all been at the Clinic?
- 3 A. Yes.
- 4 Q. Had you treated Chem all surgically?
- 5 A. Yes, because I'm in the surgical department, so
- 6 those would be the cases that I would see.
- 7 Q. Okay. Any of them die?
- 8 A. No, not that I can recall.
- 9 Q. Were any of them on dialysis -- or were any of
- 10 them not on dialysis prior to your performing
- 11 surgery on them?
- 12 A. That I couldn't recall.
- 13 Q. When did you speak to Dr. Heyka then after you
- 14 saw Mr. Carrick?
- 15 A I don't remember exactly at what point, if I was
- 16 there in the office when I called him, if it was
- 17 later in the day or when.
- 18 Q All right. Is that conversation memorialized
- 19 somewhere?
- 20 A No.
- 21 Q. All right. So this is something that you recall
- 22 by memory?
- 23 a. Yes.
- 24 Q. All right. Tell me what was said both by him
- 25 and by yourself.

1 A Yeah. I'm not sure if I can recall all of it.
2 I think part of it dealt with the severity of
3 his ectopic calcifications and his pain, his
4 bone pain, the condition of his kidneys and the
5 timing of surgery, I would imagine.

6 Q Okay. Those are the general areas that you
7 dealt with?

8 A. Just generally, I would think, yes.

9 Q. What specifics were talked about regarding those
10 general areas? I mean the degree, for example,
11 as to the severity of the calcifications.

12 A Yes.

13 Q As well as the pain that he was in?

14 A That was obvious.

15 Q Okay. And what about the condition of his
16 kidneys?

17 A It was Dr. Heyka's opinion that he would
18 eventually need dialysis. It was just a
19 question of when.

20 Q All right. And I take it that that's something
21 you would have left up to him?

22 A. Yeah.

23 Q. Well, did you discuss with him or did you raise
24 a concern with him of operating on Mr. Carrick
25 with a BUN in the area of 150?

1 A. No.

2 Q. And the possibility of putting him on dialysis
3 to reduce the BUN before operating on him?

4 A. No.

5 Q. Why not?

6 A. Because I don't see the BUN of over a hundred
7 being significant as far as his care.

8 Q. Was there any attempt to treat the
9 hyperparathyroidism medically to your knowledge?

10 A. I don't honestly know. That would be a question
11 for Dr. Heyka.

12 Q. Did you have any additional discussions with
13 either Mr. Carrick or Dr. Heyka regarding the
14 upcoming surgery?

15 A. No. As I can remember, that office visit was my
16 only conversation with Dr. Carrick as I can
17 remember.

18 Q. You mean Mr. Carrick?

19 A. Mr. Carrick. I'm sorry.

20 Q. Or Dr. Heyka?

21 A. Dr. Heyka, that one call.

22 Q. Okay. Was your next contact then with Mr.
23 Carrick the surgery itself?

24 A. I probably saw him -- I believe he was admitted
25 the night before and so I probably stopped by

1 and saw him the night before.

2 Q. Which would have been what?

3 A. April 10.

4 Q. Were any additional lab tests run on him the
5 night before to provide you with any additional
6 information prior to surgery?

7 A. Yes, I believe he had lab tests run the night
8 before.

9 Q. Okay. Why don't you tell me what the results of
10 those were, sir.

11 A. I can give you part of the SMA. This is page
12 602. Total protein, 4.2. Grams per deciliter,
13 albumin, 2.1. Grams per deciliter calcium,
14 8.1. Milligrams per deciliter phosphate, 9.3.
15 Milligrams per deciliter uric acid, 11.7. Total
16 bilirubin, 0.4 milligrams per deciliter.
17 Glucose, 98 milligrams per deciliter.

18 This is page 603. Sodium 121.
19 Milliequivalents per liter potassium, 5.5.
20 Chloride, 88 milliequivalents per liter. CO2,
21 12 milliequivalents per liter. BUN 224
22 milligrams per deciliter. Creatinine 6.2
23 milligrams per deciliter.

24 Q. What does it mean if you have a BUN of 224, sir?

25 a. You're getting close to needing dialysis.

1 Q. Haven't you gone way past the point of needing
2 dialysis at 224?

3 A. No, not necessarily. I think, you know, you
4 would have to ask the nephrologists as to what
5 the indications for dialysis are officially
6 because they're the experts and have the
7 answers.

8 But just in simple terms for me, if the
9 electrolytes are reasonable and the patient is
10 not fluid overloaded, I don't feel that they
11 have to have dialysis before surgery.

12 Q. What electrolytes are you talking about?

13 A. Things like sodium and potassium particularly.

14 Q. Okay. And I'm sorry. You read those numbers to
15 me, didn't you?

16 A. Right.

17 Q. And what were they?

18 A. They were 121 and 5.5.

19 Q. And are those normal?

20 A. No. He had labs the following morning, however.

21 Q. Well, let's deal with those for a second. I'll
22 get to the others. Are they high?

23 A. No. Well, the sodium is low and the potassium
24 is high.

25 Q. And what does that tell, you as a surgeon?

1 A. That he's got chronic renal failure,

2 Q. Okay. You said if the electrolytes were
3 reasonable and the patient was not fluid
4 overloaded?

5 A. Right.

6 Q. How do you determine if the patient is fluid
7 overloaded?

8 A. Clinical exam.

9 Q. How do you look?

10 A. You're looking for evidence of congestive heart
11 failure.

12 Q And I take it you found none?

13 A No.

14 Q You said more tests were taken the next morning?

15 A Yes, were taken the next morning.

16 Q Prior to surgery?

17 A Yes.

18 Q And the reason for that was what?

19 A I wanted to see if those numbers were real that
20 were reported the night before and if they were
21 trending one direction or another.

22 Q. Okay. Was he provided any treatment or
23 medication the previous evening that would have
24 affected any of the laboratory values in any
25 way?

1 A Yes. He was started on I.V. hydration.

2 Q And that would have assisted the potential fluid
3 overload situation os the electrolyte balance?

4 A It would have Refped with the electrolyte
5 balance.

6 Q Okay. What was he getting through the I.V.?

7 A It says here D-5 one-quarter normal -- one-half
8 normal saline and 20 milliequivalents of
9 potassium at 125.

10 Q That's pretty standard isn't it?

11 A. Yeah.

12 MR. GORE: That was from 670.

13 A I'm sorry.

14 Q Page 670. What was that, the order sheet from
15 the night before?

16 A Pes, it's an order sheet,

17 Q. Anything else?

18 A No.

19 Q. All right. SO basically he was just given, what
20 would you call it, the normal, typical I.V.
21 prior to surgery?

22 A. Yes.

23 Q. All right. That would have potentially affected
24 wkat, his potassium and his sodium?

25 A. Sodium.

- 1 Q. Both of which were not normal the night before?
- 2 A. Correct.
- 3 Q. What did you test the next morning? I'm sorry.
- 4 Did you make the order for that or is that just
- 5 a standard order?
- 6 A. It's actually done by the junior resident at
- 7 night.
- 8 Q. And that would be just standard on his part?
- 9 A. They actually handwrite it out.
- 10 Q. Yes, but I mean the order itself would be a
- 11 standard order for someone undergoing surgery
- 12 the next morning?
- 13 A. Yes.
- 14 Q. What were the tests the next morning?
- 15 A. We're on page 603. The sodium had risen to 127
- 16 and the potassium had decreased to 4.8.
- 17 Q. Okay. And how were those?
- 18 A. Better. Better.
- 19 Q. Still abnormal though?
- 20 A. The potassium was now normal and the sodium was
- 21 almost normal.
- 22 Q. Did you attribute that to the fact that he was
- 23 getting those substances through the I.V*?
- 24 A. I think the hydration helped him a little bit,
- 25 yes.

1 Q. What other tests results did you get?

2 MR. GORE: That morning?

3 Q. Yes.

4 A. That morning. Just the completion of that SMA,
5 which we're again on page 603. Chloride was 96
6 milliequivalents per liter. CO2 was 13.8. BUN
7 was 214, and creatinine was 5.8.

8 Q. Once again, the BUN didn't concern you in terms
9 of his being a candidate for surgery?

10 A. Pretty much all the renal failure patients run
11 high BUNS. It's not uncommon.

12 Q. So is the answer to my question it did not
13 concern you?

14 A. Right.

15 Q. How did the surgery go?

16 A. Fine.

17 Q. You removed his parathyroid gland?

18 A. Glands. Four.

19 Q. Glands. Where are those?

20 A. They're called parathyroid because they're
21 adjacent to the thyroid in most cases. That's
22 the bow tie shaped gland below your Adam's apple
23 in your neck, and these four parathyroid glands
24 usually sit in close proximity to it, two on
25 each side.

1 Q. So you don't remove the thyroid gland?

2 A. By and large no, although there are some
3 circumstances under which you would.

4 Q. I mean you didn't?

5 A. No.

6 Q. So you removed the adjacent glands to the
7 thyroid?

8 A. Yes.

9 Q. And the reason you do that is what?

10 A. The parathyroid glands make a substance called
11 parathyroid hormone, or parathormone shortened,
12 that's responsible for a fair degree of the
13 body's calcium metabolism.

14 Q. And by removing those what was your hoped for
15 result?

16 A. What you do is you decrease the drive to bring
17 calcium out of the bone.

18 Q. Okay. And that's where his problem was?

19 A. Yes.

20 Q. That is, he was losing calcium out of the bone?

21 A. Yes.

22 Q. And you felt that by removing the parathyroid
23 glands it would assist his body in not losing
24 calcium out of the bones?

25 A. And probably allowing it to even remineralize.

1 Q. Were there any complications intraoperatively?

2 A. Not that I recall, no.

3 Q. Okay. How long did the operation take
4 approximately?

5 A. I have to look at the operative record, I don't
6 know. Yes, that wouldn't say it there. It
7 would be on the anesthesia record.

8 Q. Anesthesia record?

9 A. If I can read these things. I don't know. If
10 I'm reading this correctly, and I'm not sure
11 that I am -- and I'm on page 1418. It looks
12 like from one to four-fifteen.

13 Q. Did you have anybody assist you?

14 A. Yes

15 Q. Who was that?

16 A. I'm sorry. Was that the right one? According
17 to page 1427 of the operative record it was Drs.
18 Kline and Hall.

19 Q. And who were they?

20 A. Residents on the service.

21 Q. Did you do the operation or did they?

22 A. I believe I did the operation.

23 Q. Do you know?

24 A. Not for sure. I mean I need help from all the
25 assistants, so you know, we all play different

1 roles, but --

2 Q. Well, I take it part of the process of training
3 residents is sometimes to allow them to do it.
4 They've got to become doctors and go out into
5 the world and do these things?

6 A. They may -- you know, I can't remember. But we
7 do have residents. We are a teaching service.
8 I always keep very tight supervision no matter
9 what they're doing. But their role may have
10 been nothing more than just to tie knots as I
11 put them on.

12 Q. You just don't recall?

13 A. I just don't recall.

14 Q. Are they still there, by the way?

15 A. Dr. Kline is not. I'm looking at this Dr. Hall
16 and I'm not sure I remember which Dr. Hall this
17 was. We've had a number of Dr. Halls.

18 Q. Why is Dr. Kline no longer there, do you know?

19 A. Oh, he finished his training.

20 Q. Do you know where he went?

21 A. Initially. I don't know where he is this year.

22 Q. Where did he go?

23 A. He went to a research fellowship in New Orleans
24 last year.

25 Q. Who was the anesthesiologist?

1 A The record says Dr. Brallier.

2 O I'm sorry. Can you spell that?

3 A. I think I can if I can find it.

4 B R A I L L I E R

5 Q. Okay. And just so everybody is on the same page
6 here --

7 A. I'm sorry. Yeah, here. 1418. I didn't see the
8 page number there.

9 MR. KAMPINSKI: All right. Les and
10 John, we've scheduled the depositions of Dr.
11 Brallier and Nakamoto for November 20th at
12 10:00. They couldn't be done today. And that
13 will be at the Clinic.

14 MR. GORE: I'll let you know what
15 conference room.

16 - - - -

17 (Thereupon, a discussion was had off
18 the record.)

19 - - - -

20 Q. Doctor, you were the attending physician during
21 the hospitalization that started on April 10, is
22 that correct.?

23 A. Yes.

24 Q. And what does it mean to be the attending
25 physician?

1 A. As much as anything, you're the one that is
2 responsible for the administrative details of
3 admission and discharge. You're also
4 responsible for arranging their medical care.

5 Q. Okay. While they're in the hospital?

6 A. While they're in the hospital.

7 Q. All right. So if a consult was required, I mean
8 it would be up to *you* to get that consult?

9 A Right.

10 Q And you would be responsible then for his
11 post-op care as well, would that be correct?

12 A As far as taking care of the wound and the
13 immediate effects of the operation.

14 Q Well, I mean you would ultimately be responsible
15 for all aspects of the post-op, wouldn't you?
16 Whether or not you got referrals or not, it
17 would be up to you?

18 A Correct. But in terms of I couldn't be
19 responsible for what a consultant recommended or
20 did.

21 Q. I see what you're saying. Did you call in any
22 consultants in the post-op care?

23 A Yes.

24 Q And who was that?

25 A I called in a number. I called in the

1 rheumatology service,

2 Q. And give me specific names, if you would

3 A. If I can.

4 Q. All right.

5 A. I believe the first rheumatologist to see Mr.
6 Carrick in the hospital was Anna Koo, K O O.

7 Q. When was that?

8 A. Page 892, April 12.

9 Q. And why did you call her in?

10 A. Because he had the previous bone and joint pain
11 and I believe had been seen by the department of
12 rheumatology before.

13 Q. All right. So there was nothing specific in
14 terms of a post-op complication that caused you
15 to call her?

16 A. Correct.

17 Q. Okay. I'm sorry. Go ahead.

18 A. The renal service, of course, was secondary and
19 I guess it was Dr. Nakamoto who was covering for
20 Dr. Heyka in the hospital.

21 Q. When you say the renal service was secondary,
22 what does that mean?

23 A. When you look at the sheet, the patient was
24 admitted Broughan, Heyka, which meant that there
25 were two services that saw the patient from the

I get-go.

2 Q Okay. Was Dr. Nakamoto called in by you for any
3 specific reason or this was just part of the
4 overall care that he was getting while he was in
5 the hospital?

6 A. That was preplanned, that the nephrology
7 service, whoever was the staff physician on
8 service, would pick up Mr. Carrick's care when
9 he came into the hospital for his operation.

10 Q. Okay. I'm sorry. Go ahead.

11 MR. SPISAK: About other services?

12 MR. KAMPINSKI: Yes.

13 A. It's going to take me a while. On page 920,
14 April 16, Dr. David Longworth from infectious
15 disease.

16 Q Why was he called in?

17 A He was called in because of Mr. Carrick's
18 pneumonia.

19 Q. Why did he develop pneumonia, Doctor?

20 A. I don't know.

21 Q Did the fact that he was uremic and had not been
22 dialyzed contribute to his contracting
23 pneumonia?

24 A No. I think uremia, whether or not they've been
25 dialyzed alone might contribute to his

1 immunosuppressed state and cause him to be more
2 susceptible, but the chronic renal failure,
3 period, whether he's been dialyzed on not
4 dialyzed, I don't believe has anything to do
5 with that.

6 Q. Well, didn't you just say something
7 inconsistent? I mean if in fact it causes a
8 reduced immunosuppressed state, then doesn't
9 that contribute to pneumonia?

10 A But just because you're dialyzing a patient
11 doesn't change that. In other words, they're
12 still immunosuppressed from chronic renal
13 failure whether or not they're on dialysis. And
14 just because you dialyzed someone doesn't change
15 that immunosuppressed state.

16 Q. Do you have any opinion as to what the course of
17 Mr. Carrick would have been had he been dialyzed
18 and had a correction of his uremic state for a
19 period of let's say six months prior to
20 undergoing surgery?

21 A. I don't think it would have been any different.
22 I don't think Mr. Carriek would have been able
23 to carry on for six months to reach that point
24 in time.

25 Q And why is that?

1 A. The severity of his bone disease.

2 Q. And do you have an opinion as to why his bone
3 disease got that severe in light of the fact
4 that he had been treating for years with a
5 person who held himself out as a nephrologist?

6 MR. SPISAK: Note my objection. Go
7 ahead, Doctor.

8 MR. GORE: You can answer.

9 A. I think his secondary hyperparathyroidism was
10 more advanced than we would prefer to see our
11 patients for surgical treatment.

12 Q. And when you say more advanced, is it your
13 opinion that it should been recognized at some
14 point prior to when in fact it was?

15 A. Yes.

16 Q. By the physician who had been treating him?

17 A. Yes.

18 Q. And had it been recognized in a timely fashion,
19 do you have any opinion to a reasonable degree
20 of medical certainty as to what the probable
21 outcome would have been in the treatment and
22 care of Mr. Carrick by someone qualified such as
23 yourself?

24 A. You confused me a little bit. The only thing
25 that I can comment about Mr. Carrick's care is

1 the treatment -- is the surgical treatment of
2 his hyperparathyroidism.

3 Q. Okay. I didn't mean to confuse you. Let me try
4 it again.

5 Had his hyperparathyroidism been recognized
6 in a timely fashion, unlike the way it was, do
7 you have an opinion under those circumstances
8 what the probable outcome would have been for
9 Mr. Carrick?

10 A. That's difficult to know. I'm not sure that I
11 can say that. You know, surgical experience of
12 treating secondary hyperparathyroidism as
E3 reported in the literature is a fairly low
14 morbidity, low mortality procedure. So I'm not
15 sure enough information is really available to
16 say, you know, at what point a difference would
17 have been made.

18 Q. Well, is it your opinion that it had progressed
19 to the point where no matter what you did,
20 nothing would have made a difference?

21 A. I think his bone disease was very severe. I
22 think it's one of the reasons why he should have
23 been operated on earlier rather than later in
24 his -- in that current circumstance.

25 Q. And had he been operated on earlier do you

1 believe then that the low morbidity and
2 mortality figures that you've just suggested
3 exist for this type of a surgery would have been
4 more applicable to him?

5 A. That's pure judgment and speculation.

6 Q. Well, yeah, but you're the doctor. And you
7 know, listen, if you don't have an opinion on
8 these things, tell me. But when we as lawyers
9 ask you for opinions, we're asking you as to
10 what probably would have happened. Obviously
11 you can't say to a certainty because he's not
12 here for you to do it in a timely fashion.

13 And my question is what probably would have
14 happened had you been able to do so in a timely
15 fashion, the probability being defined as 51
16 percent or more?

17 A. I think he would have been in better shape.

18 Q. And that's as far as you go?

19 A. Yes.

20 Q When you say better shape, better shape in terms
21 of a candidate for surgery and ultimate
22 recovery?

23 A A candidate in terms of the extent and degree of
24 his bone disease, the amount of pain he was in
25 as a result of it.

1 Q. Well, okay. And in terms of the recovery from
2 that, I mean would he have been in better shape
3 for that then?

4 A. I think so, yeah.

5 Q. What complications other than pneumonia did Mr.
6 Carrick suffer from postoperatively? Well, you
7 know what? Let me withdraw that. I don't want
8 to stop you in the middle of answering another
9 question, which was which consults did you get.
10 You got me up to Dr. Longworth.

11 A. Okay. On that same day Dr. Zaccaro was
12 consulted for colonoscopy.

E3 Q. Zaccaro?

14 A. Z A C C A R O, from gastroenterology.

15 Q. And why was that consult made?

16 A. Mr. Carrick had developed a distended abdomen
17 and a dilated cecum.

18 Q. What was the reason for that?

19 A. Probably because he was sick with his pneumonia.

20 Q. Well, was Mr. Carrick receiving Dialume?

21 A. Yes, I believe he was.

22 Q. And what is Dialume, Doctor?

23 A. Dialume, if I remember correctly, is a phosphate
24 binder.

25 Q. Who put him on that?

1 A He was probably placed on that in our
2 postoperative orders.

3 MR. GORE: 670, something like
4 that.

5 Q Well, wasn't he put on Dialume when he arrived
6 at the Cleveland Clinic?

7 A. Correct. But I thought you meant what order got
8 him on it post-op.

9 Q. Well, was Dialume continued from the time that
10 he entered the Clinic until -- well, throughout
11 the post-op period?

12 A. It was ordered postoperatively.

13 Q. Was he on it when he first came in?

14 A. Yes, I believe he was.

15 Q. All right. And who put him on it when he first
16 came in?

17 A. He was already on it when he came in. That was
18 a drug he was already receiving.

19 Q. Do you know who originally placed him on it?

20 A. I would assume it would have been the nephrology
21 people.

22 Q. And what was it being given for?

23 A. I assume for his high serum phosphate.

24 Q. What effect does that have -- well, I mean does
25 it cause severe constipation?

1 A. I think renal failure patients can have
2 constipation just as a result of their chronic
3 renal failure.

4 Q. Well, but what does the drug cause, sir?

5 A. Side effects, I'd have to look it up to tell you
6 all the side effects.

7 Q. Was he given any laxative prior to surgery?

8 A. Prior to surgery?

9 Q. Yes, sir.

10 A. I would not think so.

11 Q. Is that because that's not something that you
12 would normally give a patient?

13 A. Correct.

14 Q. Why is that?

15 A. There's no benefit.

16 Q. What did Dr. Zaccaro find when he did the
17 colonoscopy?

18 A. He found stool.

19 Q. In your opinion could the problem of the
20 distended abdomen and dilated cecum have been
21 due to the phosphate binder in Mr. Carrick's
22 colon?

23 A. No. I think this was a bit more severe than
24 that. It's a different name, Ogilvie's
25 syndrome.

- 1 Q I'm sorry. Ogilvie's?
- 2 A Ogilvie's syndrome.
- 3 Q. And what is that?
- 4 A It's called pseudo obstructions of the colon and
5 it's seen in patients who are ill for other
6 reasons, and it's not believed to be due to an
7 obstructive process, but perhaps more to a
8 motility problem in the colon, and gas tends to
9 collect in the cecum because it's the part of
10 the bowel that has the largest diameter and
11 therefore the least resistance to dilatation.
- 12 Q Who made that diagnosis?
- 13 A Dr. Zaccaro and I agreed with that, I think.
- 14 Q Well, is this something that you can point to a
15 specific finding or a test or something that he
16 saw through the colonoscope that would lead you
17 to conclude that?
- 18 A No. This is more of a clinical diagnosis.
- 19 Q What other consults did you order?
- 20 A He was placed in the surgical intensive care
21 unit.
- 22 Q This was after he contracted the pneumonia?
- 23 A Right.
- 24 Q Where had he been?
- 25 A On a regular nursing floor.

1 Q. Okay. When was he put into the SSU?

2 A. April 16.

3 Q. And when did he contract pneumonia?

4 A. April 15.

5 Q. Okay. I'm sorry. Go ahead.

6 A. At that point when a patient enters the surgical
7 intensive care unit, the intensivist assumes
8 technically half responsibility for the
9 patient. The surgeons address their particular
20 aspects of the case and the intensivists manage
11 the others. And I don't know for you who was
12 the intensivist at that time.

13 Q. The record doesn't reflect that?

14 A. No.

15 Q. How long was he in the SSU?

16 A. Until April 24.

17 Q. And you don't know who took care of him between
18 the 16th and the 24th?

19 A. They have a system where they rotate a couple of
20 guys and it depends on who is on call that day.
21 So that it changes hands.

22 Q. So nobody had primary care for him for that
23 eight-day period?

24 A. Correct. The guy on call assumes that
25 responsibility for his time on call.

1 Q. Did you call in any other consults then from
2 that point on?

3 A. Yes. Well, he was seen by Dr. Slugg from the
4 pharmacology service as part of his antibiotic
5 monitoring, and that continued through much of
6 his hospital stay. He was seen by a member of
7 our peripheral vascular disease, and I'm trying
8 to find the person's name. And I think I'll
9 come to it. He was also seen by one of the
10 neurologists. And then he was finally seen by
11 Dr. Marks from orthopedics.

12 Q. Did you call Dr. -- I'm sorry. What did you
13 say, Shag?

14 A. Slugg.

15 Q. I'm sorry. Slugg. Spelled just the way it
16 sounds?

17 A. S L U G G.

18 Q. Did you call him in or was that routine for
19 somebody receiving antibiotics?

20 A. It's pretty much routine when you've got
21 antibiotics like that running.

22 Q. Okay. How about the peripheral vascular disease
23 physician, whoever that might have been?

24 A. Yeah. We asked for them to come by and see him.

25 Q. And the reason for that was what?

1 A. He was in some respiratory distress which was
2 abrupt in onset, and the concern was that since
3 he was relatively immobile, that he might have
4 had a pulmonary embolus.

5 Q. And when was that, Doctor?

6 A. April 27.

7 Q. And their conclusions were what?

8 A. Their conclusion was to put him on Heparin and
9 do angiograms in the morning and transfer him
10 back to the intensive care unit.

11 Q. Where was he at the time they saw him?

12 A. Regular nursing floor.

13 Q. When was he transferred from SICU back to the
14 floor?

15 A. If I recall correctly, it was the 24th.

16 Q. All right. Was he then transferred back to the
17 SICU?

18 A. Yes.

19 Q. And when was that?

20 A. 27th.

21 Q. And the reason for that was what?

22 A. His respiratory distress.

23 Q. When did you call the neurologist or
24 neurologists in or did you?

25 A. Yes. I believe it was the 27th.

1 Q. And the reason you did that was what?

2 A. He had slurred speech.

3 Q. And what did they find? I mean what was their
4 diagnosis in terms of their belief as to the
5 cause of the slurred speech?

6 A. They asked that we reconsult them when he was
7 extubated and stable for evaluation.

8 Q. Was that done?

9 A I believe so.

10 MR GORE He was just looking at
11 1021.

12 MR KAMPINSKI: For the neurology
13 consult?

14 MR. GORE Yes.

15 THE WITNESS: Oh, I'm sorry.

16 MR. GORE: That's okay. I'm just
17 putting the page number in the record.

18 A Yes, neurology. I have a note here on page 1039
19 on April 28. So those are the two notes, the
20 27th and then back to the 28th.

21 Q. What did they find as a reason for his slurred
22 speech, if anything?

23 A I have to look for it, but if I remember
24 correctly, it was felt that he had some
25 decreased hearing.

1 Q. That was due to the antibiotics?

2 A. Yes.

3 Q. And then Dr. Marks, the orthoped.

4 A. Right.

5 Q. When did he see Mr. Carrick?

6 A. I'm looking for that.

7 Q. By the way, as you're looking for that, was it
8 recognized that the decreased hearing was due to
9 the antibiotics at that time?

10 A. I don't know. I don't know if I can tell you.

11 Q. I mean is there anything in the record
12 suggesting that that is in fact the cause of the
13 decreased hearing?

14 A. No. I can't even find the specific point where
15 they say that. That's simply what I recall from
16 having reviewed the record before.

17 Q. When you say before, recently?

18 A. Yes. Dr. Marks. I have a note from Dr. Marks'
19 service -- I saw one before that. I'm sorry
20 The first time that the neurologist was called
21 to see Mr. Carrick was April 26 is the first
22 note. And Dr. Marks' note is from April 26.

23 Q. Why was he called in?

24 A. There was a right femur fracture.

25 Q. How did that happen?

1 A. Probably was the decreased bone density.

2 Q. What activity was going on that caused that to
3 occur, do you know?

4 A. As far as I know, there was no activity that
5 could be directly related to the fracture. It
6 had been noted -- right thigh swelling had been
7 noted before and a prior plane film had not
8 shown a fracture, and then when it was pursued
9 with a CT scan, the fracture was detected.

10 Q. When was the swelling first noted?

11 A. April 21.

12 Q. That's while he was in the SSCU?

13 A. Yes, page 978.

14 Q. And plane film would have been done the same
15 day?

16 A. I don't know the date of that plane film.
17 There's an x-ray report on page 189. And I'm
18 not sure that was -- no. That wasn't it. I'm
19 sorry That's not it. That was the CT scan.

20 Q. What was the date of the CT scan?

21 A. The 25th.

22 Q. April 25th?

23 A. Yes.

24 Q. And then orthopedics was in the next day to see
25 him?

1 A. I think orthopedics was probably there the same
2 day but the staff note was the following day.

3 Q. Okay.

4 A. Would you like me to keep looking for this?
5 Here it is. No, it's not here. Should I keep
6 looking for this?

7 Q. Please. Well, presumably it's before April 25?

8 A. Yeah, but it's not in order here entirely.
9 April 18, page 1400.

10 Q. 1400?

11 A. Yes. It says portable, right femur.
12 Examination of the femur again reveals no
13 intrinsic bone abnormality. However, soft
14 tissue extensive calcification.

15 Q. Okay. And the CT scan did show it on April 25?

16 A. Yes.

17 Q. Was that taken because there was continued
18 swelling?

19 A. The swelling persisted and we couldn't explain
20 it. It was of some concern that it might have
21 resulted from, I think it's dialysis or
22 something.

23 Q. When was he started on dialysis?

24 A. His first dialysis was I believe April 14.

25 Q. And who started him on that?

1 A The renal service.

2 Q Do you know which doctor?

3 A I think it was Dr. Nakamoto covering it at that
4 time.

5 Q. Do you know why?

6 A. The note here from their service, his sodium was
7 down and his BUN had continued to go higher.
8 And he had developed more of an acidosis and --
9 yes, as best I can tell.

P0 Q. Well, what was his sodium and what was the BUN
E1 that caused them to put him on dialysis?

12 A His sodium was down to 119. His CO2 was down to
13 10.6 and his BUN was a hundred. And his
14 creatinine was 6.2. And the other thing was
15 that he hadn't corrected with giving him more
16 sodium chloride, so they were unable to correct
17 him medically.

18 MR. GORE: Page 899.

19 Q. What's a friction rub?

20 A. Friction rub is a sound that one can hear
21 through a stethoscope.

22 Q. And what does it indicate to you as a physician
23 if you hear a friction rub? Make that a cardiac
24 friction rub. I don't know that there are
25 others.

1 A You would have to ask a cardiologist.

2 Q You don't use stethoscopes?

3 A Don't listen to the heart much. I have a real
4 problem hearing.

5 Q Well, you indicated to me before that one of the
6 things that you were concerned with prior to the
7 surgery was any evidence of congestive heart
8 failure.

9 You have to respond verbally. You were
10 shaking your head yes and I just want to make
11 sure --

12 A I didn't realize it.

13 Q You were shaking your head yes and I just want
14 to make sure the record reflected correctly what
15 you stated. You were in fact looking for any
16 evidence of congestive heart failure before
17 that?

18 A Repeat that

19 Q Yes. You were checking for any evidence of
20 congestive heart failure prior to your
21 conducting the surgery on Mr. Carrick?

22 A Correct

23 Q. Had you reviewed any of the records from the
24 previous hospitalization at Lakewood Hospital?

25 A. I don't know that I had those available to me.

1 Q If there had been evidence of a cardiac friction
2 rub having been found in the examination of Mr.
3 Carrick, would that have affected you one way or
4 another in terms of your decision to subject him
5 to surgery?

6 A No.

7 Q. Why not?

8 A Because in that circumstance it probably would
9 have meant something to do with his, say like a
10 uremia, pericarditis or something like that.
11 And I don't know. That's kind of beyond me
12 medically in terms of an internal medicine type
13 question.

14 Q Well, but aren't those the questions that you
15 specifically have to involve yourself in in
16 terms of clearing somebody for surgery?

17 A A lot of that I have to rely on the medical man
18 to reassure me that the patient is medically all
19 right.

20 Q All right. So when you said before it was a
21 joint decision between you and Dr. Heyka, you
22 assumed that he had gone over whatever had to be
23 gone over from the medical standpoint?

24 A Correct.

25 Q Any other consults post-op?

1 A. Not that I can remember.

2 Q. Did you continue to see Mr. Carrick while he
3 remained hospitalized?

4 A. Yes.

5 Q. Did you provide any treatment or this was just
6 going in to see how he was and what was going
7 on?

8 A. I really was a clearing house in terms of
9 getting consultants in and, you know, the
10 intensivist would manage his day-to-day,
11 moment-to-moment care.

12 Q. And we don't know who that is?

13 A. No. I don't know for sure. There are a couple
14 of their signatures in here.

15 Q. Why did he die?

16 A. He was intubated, and according to the note, he
17 bit through the cuff of his endotracheal tube
18 resulting in a large air leak, and he was
19 reintubated. They thought they had good breath
20 sounds bilaterally but he remained hypoxic and
21 blue. The endotracheal tube was removed and
22 replaced twice, both times with good breath
23 sounds heard, but the patient failed to improve
24 with a further drop in blood pressure and heart
25 rate.

1 Q. Well, you're reading from something.

2 A. Yes, I'm reading from the note, page 1208.

3 Q. Is that your note?

4 A. No.

5 Q. Whose note is it?

6 A. Dandau, D A N D A U.

7 Q. Who is that?

8 A. I would think he was one of the fellows on call
9 that night in the surgical intensive care unit.

10 Q. All right. I guess my question was really very
11 poorly phrased. You're reading the terminal
12 note, I guess.

13 A. Right.

14 Q. At the time when he died.

15 A. Right.

16 Q. What I really wanted to ask you, and I did so
17 very inartfully, was what is it that caused him
18 to die as opposed to the actual moment of death?

19 MR. SPISAK: Note an objection. (6
20 ahead.

21 Q. In your opinion.

22 A. His persistent pneumonia and the requirement for
23 intubation put him into a position whereby this
24 kind of event with his endotracheal tube could
25 happen.

1 Q. And when you say this kind of event, are you
2 talking about biting through the cuff?

3 A Or having a complication with the tube where the
4 tube develops an air leak, and they can do that
5 for a number of different reasons, and then
6 trying to reestablish an endotracheal tube
7 afterwards.

8 Q. Well, when was he intubated, Doctor?

9 A. I don't know that I can tell you that accurately
10 without completely rereading the section from
11 April 27 until his time of expiration. I'm not
12 sure if they were ever able to get his
13 endotracheal tube out or not during that stay in
14 SICU.

15 Q. All right. Was he intubated when he was put
16 back into SICU on the 27th?

17 A. Yes. He was reintubated.

18 Q. When you say intubated, what does that mean?

19 A. Oh, it means you put a tube into the trachea to
20 breathe for the patient.

21 Q. Okay. And that's hooked up to a machine?

22 A. Yes.

23 Q. Do you have to change that tube every so often?

24 A. I don't know.

25 Q. Was it changed?

1 A. I don't know.

2 Q. And this would have been up to whoever was on
3 call on that particular day --

4 A. It would have been up to the intensivists. They
5 run that exclusively.

6 Q. And you were reading from page 1208, Dandau's
7 note. Now, was he indicating what was occurring
8 on this particular day or was that a recitation
9 of what had occurred throughout the period of
10 time that he was in the SICU?

11 A. That was simply the terminal event.

12 Q. Okay. What day was that?

13 A. May 16.

14 Q. And does he indicate -- well, I apologize. I
15 stopped you in the middle of reading the note.
16 Why don't you go ahead and finish it.

17 A. It says, Resuscitation with Dopamine, Atropine
18 and Epinephrine was attempted but failed. In
19 compliance with the family's wishes of no
20 cardiac compressions, none were performed, and
21 the patient expired at 11:35 p.m. on May 16,
22 1989.

23 Q. Okay. Can you tell how long was he without
24 assistance through intubation from the time that
25 they found that he had bit through the cuff and

1 attempted reintubation? Can you tell that?

2 A. I don't know.

3 Q. How was he being treated for his pneumonia?

4 A. Dr. Longworth was handling that. He was being
5 treated with antibiotics.

6 Q. Do you know what kind?

7 A. Not specifically, no.

8 Q. In the condition that he was in subsequent to
9 the operation, were you ever able to determine
10 whether or not the surgery that you did to
11 remove the parathyroid glands had any effect on
12 the calcium deposits in the bone, or would that
13 be unrealistic to even think about under these
14 circumstances?

15 A. Under these circumstances that would be
16 unrealistic.

17 Q. That would take some period of time for you to
18 be able to assess that?

19 A. Yeah And so much else was going on.

20 Q. Was Mr. Carrick's serum aluminum ever checked
21 while he was in the hospital?

22 A. I don't know. I don't know if it was or it
23 wasn't.

24 Q. Well, I think you can determine from the record
25 if it was.

1 A. I can start searching.

2 Q. Well, would that be in the lab tests?

3 A. Yeah.

4 MR. GORE: 608, something like
5 that.

6 MR. KAMPINSKI: While the doctor is
7 looking, John, what I'd like if possible is Dr.
8 Riley's personnel record as well as his
9 admission applications and the rulings on those
10 and what privileges he had at the hospital.
11 I'll put a request for production in.

12 MR. BAKER: And I'll tell Dierdre,
13 you know. I'm in and out of this today.

14 MR. KAMPINSKI: I understand. But
15 I'll put a request together, but this at least
16 can give you some head start. Chris will put a
17 request together.

18 Q. I'll suggest to you, Doctor, that I was unable
19 to find it.

20 A. Yes. No, I don't find it either.

21 Q. Was there a reason that it wasn't checked?

22 A. I think it wouldn't be of any clinical relevance
23 in this case.

24 Q. Why was Mr. Carrick intubated to begin with?

25 What was it that caused him to require a machine

- 1 to breathe for him?
- 2 A. He was unable to breathe for himself.
- 3 Q. Why?
- 4 A. The pneumonia.
- 5 Q. So that was a decision made by --
- 6 A. The intensive care people.
- 7 Q. Intensive care people or Dr. -- I'm sorry.
- 8 Didn't you call somebody in for the pneumonia
- 9 specifically?
- 10 A. Dr. Longworth, you mean?
- 11 Q. Yes.
- 12 A. The infectious disease. No. That would have
- 13 been an intensive care decision.
- 14 Q. Why is it that we can't determine the names of
- 15 the intensivists?
- 16 A. Well, I mean I've seen two signatures. I've
- 17 seen Dr. McHugh.
- 18 Q. How do you spell that?
- 19 A. M C capital H U G H. And Lockren,
- 20 L O C K R E N.
- 21 Q. And they are?
- 22 A. Intensivists.
- 23 Q. And where have you seen their signatures?
- 24 A. In the record.
- 25 Q. What days are we talking, or just interspersed

1 throughout --

2 A. Interspersed throughout.

3 Q. And it's your understanding then that there
4 would be a different person on duty each day?

5 A. Yes. Usually they rotate. If I understand
6 their system correctly, they rotate daily call
7 as to who is responsible that day for the
8 patients in the unit.

9 Q. Are these physicians specifically intensive care
10 physicians?

11 A. Yes.

12 Q. Okay. So they're not from other departments
13 covering ICU?

14 A. No. That's their job. That's what they're
15 hired for.

16 MR. KAMPINSKI: Can we get a list,
17 George, of the intensive care physicians who
18 treated Mr. Carrick?

19 MR. GORE: I'll see what I can do.
20 I can tell you Lockren is in charge of SICU.

21 MR. KAMPINSKI: All right. So if I
22 wanted to know who these people were that saw
23 him, he probably would be the best person to
24 depose and he could go through the record and
25 just point out who was who.

a MR. GORE: Sure. I'll try to get
2 you a list ahead of time, but yes, he is the one
3 in charge of the SSCU and McHugh works with
4 him.

5 Q. Do you know Dr. Riley?

6 A No.

7 Q Did you ever talk to him, meet him?

8 A No.

9 Q Ever have any discussions with him about the
10 surgery prior to the surgery, during the surgery
11 or after the surgery?

12 A No.

13 Q Never reported to him anything that --

34 A I sent him a letter.

15 Q Okay. When was that?

16 MR. GORE: 1633

17 A How about 1236.

18 MR GORE: 1236? Geez, I wasn't
19 even close.

20 A April 18 is the date that it was typed. It was
2% dictated before then.

22 MR. GORE: I'd like the record the
23 to show I had the digits right, just in the
24 wrong order.

25 Q. So this would have been before his death?

1 A Yes.

2 Q Did you send any letters after that?

3 A I don't think I did.

4 MR. GORE: To Dr. Riley?

5 MR. KAMPINSKI: Yes.

6 Q Let me take a look at that one letter to the
7 doctor. Did you write any other letters to --
8 I'm sorry. I see one here that you wrote to
9 Mrs. Carrick on June 11. Did you write any
10 other letters?

11 A Not that I recall.

12 Q All right. The letter that you wrote to Dr.
13 Riley on April 18 indicates that --

14 A I didn't write it on April 18.

15 Q Dictated at some point in time --

16 A Some point before then.

17 Q You signed it -- oh, signed in your absence.
18 Where were you?

19 A I was probably out of town.

20 Q Where?

21 A I was at a meeting of the American Association
22 of Endocrine Surgeons.

23 Q. Where was that?

24 A. At Chapel Hill, North Carolina.

25 Q. When did you go?

1 A. April 17 through 18, it says.

2 Q. Was that your schedule for those two
3 months of --

4 A. No. These are my out dates for the year.

5 Q. Can I see that?

6 MR. KAMPINSKI: Can we get a copy
7 of this, George? I can copy it here, right?

8 A. You can have it if you want.

9 MR. KAMPINSKI: Did you guys want
10 copies of this?

11 MR. SPISAK: Yes.

12 MR. BAKER: Yes.

13 - - - -

14 (Thereupon, a discussion was had off
15 the record.)

16 - - - -

17 Q The other document that you've pulled out that
18 you were looking at was what?

19 MR. GORE: Let me see.

20 Q What is it, Doctor?

21 A It's a response to a survey by the American
22 Endocrine Surgeons that asks the total number of
23 thyroid and parathyroid cases treated over a
24 two-year period, surgically treated over a
25 two-year period, and I thought maybe if you were

1 going to ask what my recent operative experience
2 with parathyroid or thyroid disease was, I could
3 provide you something actual.

4 Q. Okay. You filled out this survey in response to
5 a --

6 A Well, it's a thing by the society to try to gain
7 more leverage in some of the other national
8 societies.

9 Q. Where in these different categories would Mr.
10 Carrick's surgery fall?

11 A The 28th.

12 Q I'm sorry?

13 A The 28th.

14 Q And that is parathyroidectomy?

15 A Right.

16 Q Or exploration of parathyroid?

17 A Right.

18 Q. Okay. If there were a subcategory that would
19 have been set forth here, what would you
20 characterize Mx-, Carrick's surgery in terms of a
21 subcategory? I mean you told me before, for
22 example, that you had had or had seen six or
23 seven cases of secondary hyperthyroidism. I
24 mean it doesn't say that on here.

25 A. No, no. Because pretty much that's accepted as

1 being just one of the manifestations of
2 parathyroid disease. It's not set aside as a
3 special subcategory. Because it's handled with
4 the basic principles that you handle any of
5 those cases.

6 Q. And surgically?

7 A. Surgically, yes. I'm sorry.

8 Q. Sure. I guess want a copy of this, too.

9 - - - -

10 (Thereupon, a discussion was had off
11 the record.)

12 - - - -

13 Q. In the letter that was sent on April 18 to Dr.
14 Riley it indicates that your patient was doing
15 well. That's not true, is it?

16 A. Well, it was true when it was dictated.

17 Q. When was it dictated?

18 A. It was probably dictated either the 12th or the
19 13th. I try to be fairly prompt getting those
20 letters out.

21 Q. Why did you send the letter to Dr. Riley? Was
22 this just from checking through the chart you
23 were aware of the fact that he had been the
24 previous treating physician?

25 A. When somebody gets referred their name goes

I into the computer bank and you get it spit
2 out -- for example, here it is, a printout, and
3 it comes to your office and it says this is the
4 referring doctor, so you automatically send them
5 a note to be polite.

6 MR. GORE: Page 841.

7 Q It's a matter of courtesy?

8 A That's right.

9 Q Did you have any discussions with Mrs. Carrick,
10 Mr. Carrick or any members of their family
11 subsequent to the operation in terms of his
12 condition, the cause of the condition, the
13 treatment, anything of that nature?

14 A Oh, I'm sure we had many conversations.

15 Q Do you recall any specifically?

16 A No, not any specific.

17 Q Do you have any recollection of any specific
18 discussions with Mrs. Carrick as to why her
19 husband's condition was what it was prior to his
20 death?

21 A No, I don't.

22 Q Did you have any discussions with her after he
23 died in terms of your belief as to the reason
24 for his death?

25 A No. After -- my only -- if I recall correctly,

1 the only contact I had with Mrs. Carrick after
2 his death was the letter that I sent to her.

3 Q. Had you ever met Mr. Carrick or any members of
4 his family before?

5 A. No.

6 Q. Have had any discussions or meetings with Dr.
7 Riley since treating Mr. Carrick?

8 A. No.

9 Q. Have you had any discussions or conversations
10 with Dr. Heyka, Dr. Nakamoto or any of the
11 physicians who treated Mr. Carrick since his
12 death?

13 A. Yes.

14 Q. When?

15 A. Yesterday.

16 Q. And who did you meet with?

17 A. I didn't meet with them. I called them on the
18 telephone.

19 Q. Who did you call?

20 A. Dr. Heyka and Dr. Brallier.

21 Q. Who is he?

22 A. The anesthesiologist.

23 Q. And what did you discuss with them?

24 A. Just the portions of the case as to I had read
25 his deposition and asked him how it went, what

1 he saw as the pertinent points of the case.

2 Q. What did he say?

3 A. He said, you know, the real question was whether
4 or not he should have been dialyzed
5 preoperatively, but he still didn't think so.

6 Q. What did Dr. Brallier say?

7 A. We talked about the status of the patient prior
8 to surgery that morning and, you know, related
9 that we didn't think there was any problem with
10 his electrolyte status vis-a-vis, you know, risk
11 for surgery.

12 Q. Why did you make the call?

13 A. Just as part of preparation, just like I read,
14 you know, the chart and looked at some
15 literature. I was just trying to totally
16 prepare myself.

17 Q. Did you talk to any of the other physicians?

18 A. No.

19 Q. At any time. I mean not just yesterday, but at
20 any time after Mr. Carrick's death as to how he
21 died, what he died from, the cause of death?

22 A. You know, we talked as his case went along but
23 not since that time.

24 Q. Was it presented in any fashion after his death
25 in terms of a department meeting or anything of

1 that nature?

2 A. It wouldn't have been presented at a department
3 meeting. It was probably recorded in our
4 Saturday morning M. and M.

5 Q When you say recorded, what do you mean?

6 A What we do is we go through the morbidity and
7 mortality department on a weekly basis.

8 Q And would the reasons for the mortality have
9 been discussed in that meeting?

10 A. Yes.

11 Q. And which department would that be?

12 A. General surgery.

13 Q. And is that a permanent record kept by general
14 surgery then?

15 A. No. We don't keep a written -- we keep a record
16 that the case was presented perhaps, but we
17 don't keep a record of any discussion.

18 Q. It's not transcribed or recorded in any fashion?

19 A No, no,

20 Q Well, what record is there then?

21 A. It's just a cellophane sheet that puts up the
22 patient's initials and the complication and the
23 date of surgery.

24 Q Did you see Mr. Carrick as an outpatient prior
25 to the surgery?

1 A. Yes.

2 Q. When was that?

3 A. That was the first date I saw him.

4 Q. Oh, I'm sorry.

5 MR. GORE: April 4.

6 Q. On the 4th. Did you see him again as an
7 outpatient?

8 A. I don't see any record of that, no.

9 Q. Do you remember what you told Mr. Carrick and
10 whoever it is that was with him of the risks of
11 the surgery that he was about to undergo?

12 A. I can't remember that particular conversation
13 specifically, but I know the things that I cover
14 in general.

15 Q. Well, is there an informed consent signed by Mr.
16 Carrick or anybody else in the file?

17 A. No.

18 Q. Why not?

19 A. We don't use signed informed consent. It's
20 discussed and I report that I discussed the
21 operation and indications and risks.

22 Q. Where did you see that?

23 A. In the April 4 note.

24 Q. But there's nothing signed by Mr. Carrick though
25 to confirm that?

1 A. No.

2 Q. Well, what risks did you tell him and whoever it
3 is that were there with him existed in this
4 operation?

5 A. I would have told him that --

6 Q. You're telling me this in terms of what you
7 normally tell me as opposed to a specific
8 recollection you have?

9 A. That's right. I can't tell you a specific
10 recollection.

11 Q. Okay. I'm sorry. Go ahead.

12 A. All right. It would be the risk of anesthesia,
13 it would be the risk of bleeding which is
14 possible any time surgery is performed. In this
15 particular situation it would have been the risk
16 of injury to the recurrent laryngeal nerves, the
17 nerves to the voice box which are adjacent, and
18 also that there would be a need for calcium,
19 perhaps a lot of calcium postoperatively.

20 Q. Did you make it appear as though this was a
21 minor operation, Doctor?

22 A. I don't know that I can assess that. The
23 operation has a history of a low morbidity and
24 mortality. But I, you know, state the risks
25 pretty straightforwardly and answer any questions

1 about them the patient or the family has.

2 Q. Was there a fat embolism associated with the hip
3 fracture in Mr. Carrick?

4 A. He had a femur fracture.

5 Q. I'm sorry.

6 A. And I believe there was a test there for fat and
7 I didn't see any.

8 Q. All right. So that was never confirmed?

9 A. Right.

10 Q. And that was just a suspicion that needed to be
11 ruled out?

12 A. Correct.

13 Q. And was it ruled out?

14 A. Correct.

15 Q. In your opinion was Mr. Carrick septic before he
16 died?

17 A. Septic. How would you mean septic?

18 Q. Well, how would you mean septic?

19 A. Septic, I would mean as showing clinical and
20 hemodynamic characteristics of infection.

21 Q. Was he?

22 A. Yes.

23 Q. How do you treat sepsis?

24 A. Antibiotics.

25 Q. And he was receiving antibiotics?

1 A. Yes.

2 Q. And you indicated earlier, I mean that wouldn't
3 be within your area of expertise, that you left
4 up to the infectious disease physician?

5 A. That's correct.

6 Q. Does Indocin have an effect on calcium
7 metabolism?

8 A. I can't answer that.

9 Q. Okay. If you just give me one moment, I think
10 I'll be done in a second.

11 Is there anything else that we have not
12 talked about to this point, Doctor, which you
13 believe had an impact on Mr. Carrick's illness
14 and/or death?

15 A. I'm not sure what you're looking for.

16 Q. Well, I'm looking for whatever it is that you're
17 aware of, either through going through the file,
18 looking at records, looking at deposition
19 testimony, that you believe impacted Mr. Carrick
20 in terms of his illness and/or death that we
21 haven't talked about.

22 In other words, this is discovery, okay.
23 The purpose of this is for me to understand what
24 it is you're going to testify to when you get up
25 on the stand so that neither you nor I are

1 surprised. And if there are things that you are
2 aware of that you believe have an impact on his
3 care, his treatment, his condition, I'd like to
4 know them at this time.

5 A. I can't think of anything right offhand.

6 Q. Just so I understand, what you reviewed before
7 the deposition was what, the medical record, Dr.
8 Heyka's deposition, anything else?

9 A. Summary of Dr. Riley's deposition.

10 Q. Okay.

11 A. Literature.

12 Q. What literature did you look at?

13 A. Literature on secondary hyperparathyroidism.

14 Q. Specifically what articles or what books?

15 A. It would have been articles summarized in
16 selected readings in General Surgery.

17 Q. In the volume or in the publication, General
18 Surgery? Well, I'll tell you what. Rather than
19 have you sit here and try to do this by memory,
20 do you have them with you?

21 A. No.

22 Q. Where are they?

23 A. My office.

24 Q. Can you put together a list of those things that
25 you reviewed?

I A. Sure.

2 Q. And provide them to Mr. Gore?

3 MR. KAMPINSKI: And I'd ask that
4 you give them to me.

5 A. Sure.

6 MR. GORE: Fine, will do.

7 MR. SPISAK: And I would ask for a
8 copy of those as well.

9 MR. GORE: No, you can't have them.

10 MR. BAKER: Send me his.

11 Q. Other than the articles, a summary of Dr.
12 Riley's deposition, Dr. Heyka's deposition, the
13 medical records, anything else? Did you look at
14 the Lakewood records, for example?

15 A. I browsed through them but I didn't really look
16 at them seriously.

17 MR. GORE: There are some portions
18 of the Lakewood records in the chart.

19 MR. KAMPINSKI: That were sent over
20 to the Clinic?

21 MR. GORE: Yes.

22 Q. How about Dr. Riley's office records, did you
23 look at those?

24 A. NO.

25 Q. Anything else that you can think of that you

I looked at?

2 A. No.

3 MR. KAMPINSKI: Okay. Doctor,
4 that's all I have. The other attorneys may or
5 may not have some questions of you.

6 MR. GORE: Mr. Spisak?

7 MR. SPISAK: I'm not going to ask
8 any questions today, Doctor. I'll reserve my
9 right, if necessary, which I don't anticipate.

10 MR. BAKER: We'll do the same.

11 MR. KAMPINSKI: Okay. Thanks,
12 Doctor.

13

14

THOMAS A. BROUGHAN, M.D.

15

16

17

18

19

20

21

22

23

24

25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Sandra L. Mazzola, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named THOMAS A. BROUGHAN, M.D., was by me, before the giving of his deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this ____ day of _____, A.D. 19 ____.

Sandra L. Mazzola, Notary Public, State of Ohio
1750 Midland Building, Cleveland, Ohio 44115
My commission expires January 6, 1992

CURRICULUM VITAE

Thomas A. Broughan, M.D. Social Security No. 273-48-7726

Born: Cleveland, Ohio, December 3, 1952

B.S. Allegheny College (cum laude), 1975
 Alden Scholar

M.D. University of Cincinnati College of Medicine, 1979

Intern, Surgery - Cleveland Clinic Foundation, 1979-1980
Resident, Surgery - Cleveland Clinic Foundation, 1980-1983
Chief Resident - Cleveland Clinic Foundation, 1983-1984

Fellowship, Gastrointestinal and Hepatic Surgery
 Cleveland Clinic Foundation, July 1984 - June 1985

Visitor, University of Pittsburgh, December 1984 - February 1985

Visitor, University of London
 Royal Postgraduate Medical School, April 1985 - June 1985

Assistant to the Director of the General Surgery Residency Program
 Cleveland Clinic Foundation, July 1984 - June 1985

Associate Director, General Surgery Residency Program,
 Cleveland Clinic Foundation, 1985 - 1988

Director, General Surgery Residency Program,
 Cleveland Clinic Foundation, 1988 to present

Associate Staff, Cleveland Clinic Foundation, 1985

Staff, Cleveland Clinic Foundation, 1986 - present

Honorary Medical Societies

Alpha Omega Alpha, 1979

Professional eties

Diplomate, National Board of Medical Examiners, 1980
Ohio State Medical Association, 1984
Diplomate, American Board of Surgery, 1985
American Medical Association, 1985
Cleveland Academy of Medicine, 1985
Cleveland Surgical Society, 1986
Northeast Ohio Transplant Society, 1986

Professional Societies (continued)

Pancreas Club, 1986
American Association of Endocrine Surgeons, 1988
Fellow, American College of Surgeons, 1988
American Association for the Study of Liver Diseases, 1989
Young Surgeon Representative, Ohio Chapter, American College of Surgeons, 1990
Society for Surgery of the Alimentary Tract, 1990

BIBLIOGRAPHY

1. Broughan TA, Vogt DP. Upper gastrointestinal bleeding: a review. Cleve Clin Q 1982; 49:97-105.
2. O'Donnell JK, Broughan TA, Kropilak MD, Esselstyn CB Jr. Thallium-technetium subtraction parathyroid imaging in patients having previous parathyroid surgery. J. Nucl Med (abstract) 1985; 26:17.
3. Broughan TA, Sivak MV, Hermann RE. The management of retained and recurrent bile duct stones. Surgery 1985; 98:746-750.
4. Broughan TA, Jaroch MT, Esselstyn CB Jr. Parathyroid hormone: unreliable and overused. Arch Surg 1986; 121:841-842.
5. Jaroch MT, Broughan TA, Hermann RE. The natural history of splenic infarction. Surgery 1986; 100:743-750.
6. Broughan TA, Leslie JD, Soto JM, Hermann RE. Pancreatic islet cell tumors. Surgery 1986; 99:671-678.
7. Broughan TA, Hermann RE. Acute and chronic cholecystitis. Probl Gen Surg 1986; 3:139-146.
8. Broughan TA, Esselstyn CB Jr. Large needle thyroid biopsy: still necessary. Surgery 1986; 100:1138-1140.
9. Rustin RB, Broughan TA, Hermann RE, Grundfest-Broniatowski SF, Petras RE, Hart WR. Papillary cystic epithelial neoplasms of the pancreas: a clinical study of four cases. Arch Surg 1986; 121:1073-1076.
10. Hermann RD, Broughan TA. The question of post residency subspecialization and fellowship. Probl Gen Surg 1986; 3(4):410-414.

11. Broughan TA, Hermann RE. Cancer of the pancreas. Primary Care of Cancer: Recommendations for Screening, Diagnosis, and Management. Regional Cancer Resource Center, Case Western Reserve University School of Medicine and American Cancer Society, Cuyahoga County Unit. 1987. Chapter 19:131-135. Library of Congress Catalog Card No. 8673119.
12. Carey WE, Vogt DP, Broughan TA, et al. Liver transplantation at The Cleveland Clinic: early results. Cleve Clin J Med 1987; 54:83-90.
13. Tarazi RM, Broughan TA, Steiger E: Special Comment: Prevention of ileus. In: Nelson RL, Nyhus LM eds. Surgery of the Small Intestine. Appleton-Century-Crofts. 1987:306-308.
14. Broughan TA, Sivak MV, Hermann RE. The management of retained and recurrent bile duct stones. (abstract) Curr Surg 1987; 44:65.
15. Broughan TA, O'Donnell JK, Kropilak M, Esselstyn CB Jr.: Use of Thallium-technetium parathyroid scans. Cleve Clin J Med 1987;54:179-83.
16. Hermann RE, Broughan TA. Intraoperative biliary radiology. In: Blumgart LH, ed. Surgery of the Liver and Biliary Tract. Edinburgh: Churchill Livingstone. 1988:361-371.
17. Vogt DP, Broughan TA, Carey WD, Lederman: Neurologic complications of liver transplantation. Transplantation. 1988; 45(6):1057-1061.
18. Sharfman W, Shepard K, Ganapathi R, Broughan TA, Vogt D, Bukowski RM, Phase II Trial of Intraperitoneal Cisplatin (CODP) and Sodium Thiosulfate in advanced Gastric Cancer. PROC AACR 29:201, 1988.
19. Carey WD, Tuthill RJ, Winkelman EI, Vogt DP, Broughan TA. Clinical and pathological sequelae of orthotopic liver transplantation in chronic B hepatitis: Report of two cases. Viral Hepatitis and Liver Disease. Alan R. Liss, Inc. 1988:801-807.
20. Broughan TA, Hermann RE: Complications of pancreatic surgery and trauma. In: Greenfield LJ, ed. Complications in surgery and trauma. 2nd ed. Pennsylvania: J. B. Lippincott Co., 1989; 559-577.
21. Broughan TA, Esselstyn CB, Jr.: Indications for and techniques of limited breast surgery. In: Hoogstraten B, Burn I, Bloom Jr, eds. Current Treatment of Cancer: Breast Cancer. New York: Springer-Verlag. 1989;161-167

22. Broughan TA, Esselstyn CB, Jr. Diagnosing the thyroid nodule - cancer or benign lesion. Primary Care and Cancer. 1989; 9:37-39.
23. Marchant KK, Hart WR, Broughan TA. Localized fibrous tumor (localized fibrous mesothelioma) of the liver. Cancer. J.B. Lippincott. 1989; 64:1096-1102.
24. Broughan TA, Esselstyn CB, Jr.: Lobectomy and subtotal thyroidectomy. In: Nyhus LM, Baker R, eds. Mastery of Surgery, 2nd Ed. Boston: Little, Brown, and Co. (in press).
25. Potts JR, Broughan TA, Hermann RE. Palliative operations for pancreatic carcinoma. Am J Surg 1989; 159:72-78
26. Stoller JK, Moodie D, Schiavone WA, Vogt D, Broughan TA, Winkelman E, Rehm PK, Carey WD. Reduction of Intrapulmonary shunt and resolution of digital clubbing associated with primary biliary cirrhosis following liver transplantation. Hepatology 1990; 11(1):54-58
27. Broughan TA, Hermann RE. Distal Pancreatectomy and Splenectomy for Insulinoma. In: Levensohn A., ed. Surgery Illustrated. New York: LTI Medica, 1990.
28. Vogt DP, Broughan TA, Sherer GR. Liver Transplantation with and without venovenous bypass. Transplantation (submitted)
29. Gerken K, Nunez C, Broughan TA, Esselstyn C, Sebek B. Clinical outcome of hurthle cell tumors of the thyroid. (Abstract) Am J of Clin Path 1988; 25:498
30. Potts, JR, Broughan TA, Hermann RE. Indications of gastric bypass in palliative operations for pancreatic carcinoma. (Abstract to be presented at the Southeastern Surgical Congress January, 1990.) Am Surg (in press)
31. Broughan TB. Biliary Lithotripsy. Ohio Med 1990; 86:190.
32. Gonsalves L, Covington EC, Broughan TA, et al. Patient-controlled analgesia for postcholecystectomy pain: a pilot study. Cleve Clin J Med 1990; 57:57-9
33. Grove MK, Hermann RE, Vogt DP, Broughan TA. Cancer of the proximal bile ducts. Am J Surg (in press).
34. Carey W, Pimentel R, Westveer MK, Vogt DP, Broughan TA. Failure of hepatitis B immunization in liver transplant recipients; Results of a prospective trial. Amer J of Gastro (in press)


35. Gorenssek MJ, Carey WD, Washington JP, Vogt DP, Broughan TA. Selective bowel decontamination (SDC) with quinolone antibacterials and mycostatin reduces gram-negative and fungal infections (GN&FI) in liver transplant recipients (LTR). Abstract submitted to *Am Assoc for the Study of Liver Diseases*, November, 1990.

Return in enclosed envelope to:

G.L. IRVIN III MD
CHIEF, SURGICAL SERVICE (112)
VETERANS ADMINISTRATION MEDICAL CENTER
1201 N.W. 16th STREET
MIAMI FLA 33125

PROCEDURE	CPT CODE	# PATIENTS 24 Months (June 1, 1988-June 30, 1990)
Parathyroidectomy or exploration of parathyroid(s)	60500	<u>28</u>
Parathyroidectomy, re-exploration	60502	<u> </u>
Parathyroidectomy with mediastinal exploration, sternal split or transthoracic approach	60505	<u> </u>
Parathyroid TOTAL:		<u>28</u>
Adrenalectomy, partial or complete, or exploration of adrenal gland with or without biopsy, transabdominal, lumbar or dorsal (separate procedure), unilateral.	60540	<u>1</u>
Adrenalectomy with excision of adjacent retroperitoneal tumor	60545	<u> </u>
Adrenalectomy, bilateral, one stage	60550	<u> </u>
Adrenalectomy TOTAL:		<u>1</u>
Excision of cyst or adenoma of thyroid, or transection of isthmus	60200	<u> </u>
Total thyroid lobectomy, unilateral	60220	<u>13</u>
Total thyroid lobectomy with contralateral subtotal lobectomy including isthmus	60225	<u>14</u>
Thyroidectomy, total or complete	60240	<u>9</u>
Thyroidectomy, subtotal or partial	60245	<u>7</u>
Thyroidectomy, subtotal with removal of substernal thyroid gland, cervical approach	60246	<u> </u>
Thyroidectomy, total or subtotal for malignancy; with limited neck dissection	60252	<u>1</u>
Thyroidectomy, total or subtotal for malignancy; with radical neck dissection	60254	<u> </u>
Thyroidectomy, secondary, unilateral	60260	<u> </u>
Thyroidectomy, secondary, bilateral	60261	<u> </u>
Thyroidectomy, including substernal thyroid, sternal split or transthoracic approach	60270	<u> </u>
Thyroid TOTAL:		<u>45</u>

Sign Thomas A. Broughan, M.D. City Cleveland State OH



THOMAS A. BROUGHAN, M.D.

Meetings Attended

<u>Date</u>	<u>Organization</u>	<u>Location</u>	<u>Presentation</u>	<u>CME/Cat</u>
Feb. 16-17	Assoc. of Program Directors in Surgery	Salt Lake, UT		17.5/1
Feb. 18	Ohio Solid Organ Transplantation Consortium	Columbus, OH	Speaker	
Mar. 2-4	Regulation of Phy. Training Programs	Coral Gables, FL		
Apr. 17-18	Amer. Assoc. of Endocrine Surgeons	Chapel Hill, NC		
May 12-13	Ohio Chapter Am. College of Surgeons	Cincinnati, OH		12/1
May 14-17	Digestive Disease Week The Society for Surgery of the Alimentary Tract	Washington, DC.		13/1
	Pancreas Club, Inc.			
Oct 15-18	1989 Clinical Congress, ACS, Atlanta, GA			

.gr Course

Date

Apr. 13-14	General Surgery	Speaker
------------	-----------------	---------

S ng Engagements

May 27	Fairview General Hospital Resident Grand Rounds "Update on Peptic Ulcer"
Aug 12	St. Joseph Riverside Hospital, Warren, Ohio " "
Sept. 9	Fairview General Hospital Resident Grand Rounds "Management of Gallstones with Lithotripsy"

Clinic Business/Residents' Program

Apr. 5	Oakland General Hospital Madison Hts., MI
May 2	Grant Hospital, Columbus
Aug 4	Children's Hospital, Dayton
Sept 5-6	CCF Florida, Review Colorectal Resident Program
Sept 7	Research Day - Poster "Conservative Surgery for Gastric Ulcer"
Sept 18-22	Professionals In Management Course