

DOE 78

1 APPEARANCES:

2 Sindell, Lowe & Guidubaldi,  
3 By: Charles M. Young, Esq.,

4 On behalf of the Plaintiffs.

5 Jacobson, Maynard, Tuschman & Kalur,  
6 By: Patrick Murphy, Esq.,

7 On behalf of Defendants Bert M. Brown, W.D. and  
8 Cleveland Ear, Nose and Throat,

9 Jacobson, Maynard, Tuschman & Kalur,  
10 By: Kenneth A. Mallernee, Esq.,

11 On behalf of Defendants Victoria R. Alonso,  
12 M.D., and Garfield Pathology Association, Inc.

13

14 ALSO PRESENT:

15 Edward Galaska

16 - - -

17

18 STIPULATIONS

19 It is stipulated by and between counsel for  
20 the respective parties that this deposition may be  
21 taken in stenotvpy by Lisa Hrovat; that her  
22 stenotype notes may be subsequently transcribed in  
23 the absence of the witness: and that all  
24 requirements of the Ohio Rules of Civil Procedure  
25 with regard to notice of time and place of taking  
this deposition are waived.

26 - - -

27

28

29

1 BERT M. BROWN, M.D.,  
2 a Defendant herein, called by the Plaintiffs for  
3 the purpose of cross-examination, as provided by  
4 the Ohio Rules of Civil Procedure, being by me first  
5 duly sworn, as hereinafter certified, deposes and  
6 says as follows:

7 CROSS-EXAMINATION

8 BY MR. YOUNG:

9 Q. Doctor, would you state your name for the record,  
10 please?

11 A. Bert Brown.

12 Q. And your business address?

13 A. I have many offices. The one we're at presently?

14 Q. The central office. The mailing address for your  
15 group.

16 A. 12000 McCracken Road, Garfield Heights, Ohio. I'm  
17 not sure of the zip code.

18 Q. Where did you receive your undergraduate medica:  
19 training?

20 A. University of Cincinnati.

21 Q. Graduated when?

22 A. I believe it was 1983.

23 Q. And following your graduation from medical school  
24 what did you do professionally?

25 A. I did an internship, a year of general surgery at

1 Huron Road Hospital in Cleveland.

2 Q. And following that'?

3 A. I did an ear, nose and throat residency at the Eye  
4 and Ear Hospital in Pittsburgh.

5 MR. YOUNG: Why don't we go off the  
6 record?

7 (Discussion was had off the record.)

8 Q. (BY MR. YOUNG) I'm sorry. You were saying you  
9 finished a year of internship in general surgery?

10 A. Yes.

11 Q. With the University of Cincinnati?

12 A. A year of general surgery at Huron Road Hospital.

13 Q. Following that what did you do professionally?

14 A. I did a residency program ear, nose and throat,  
15 otorhinolaryngology.

16 Q. Where was that?

17 A. Eye and Ear Hospital in Pittsburgh.

18 Q. Was that a three-year residency?

19 A. A four-year residency in ear, nose and throat. Part  
20 of the residency does include one year of general  
21 surgery.

22 Q. Was your previous year of general surgery applied to  
23 your four years?

24 A. Yes. It is considered a five-year residency with  
25 one year of general residency. Four years of

1           otorhinolaryngology.

2       Q.     Did you successfully complete that program?

3       A.     Yes.

4       Q.     Following that, what did you do professionally?

5       A.     I started working for Cleveland Ear, Nose and  
6           Throat .

7       Q.     When you started working with this group how many  
8           physicians were involved here?

9       A.     Four others.

10      Q.     Today, I believe, you have nine; is that correct?

11      A.     I have to count. I think it is nine.

12      Q.     Approximately nine?

13      A.     Yes.

14      Q.     You've been practicing ENT here in the Greater  
15           Cleveland area since that time?

16      A.     Yes.

17      Q.     You're on the medical staff of various hospitals?

18      A.     Uh-huh.

19      Q.     What hospitals do you serve?

20      A.     I'm on the medical staff of many hospitals. I go to  
21           primarily two.

22      Q.     And they are?

23      A.     Hillcrest Hospital and Marymount Hospital.

24      Q.     What other hospitals do you serve?

25      A.     Parma Hospital.

1 Q. Now you're primarily with Hillcrest and Marymount.

2 Did you serve others? And they are what?

3 A. Parma Hospital, Deaconess Hospital, we're on staff  
4 at University Hospital. I even have to think about  
5 this. We do have doctors, although I have not gone  
6 there yet, in Medina Hospital.

7 Q. Within your group you have a number of physicians.  
8 Are they assigned primarily to serve in a given  
9 office of your group?

10 A. We generally cover areas. Usually one to two  
11 offices, yes.

12 Q. And have you served in one primary location with  
13 this group?

14 A. Hillcrest and Marymount are my primary location.

15 Q. We're here at an office actually attached to the  
16 Marymount Hospital today. Are there other offices  
17 in which you serve?

18 A. Yes.

19 Q. Is there an office at Hillcrest?

20 A. Right, I have an office at Hillcrest.

21 Q. Any other offices in which you primarily function?

22 A. That I actually go to? Yes. I do go to, although  
23 it is one half a day a week, an office at Brainard  
24 Place in Lyndhurst, Ohio.

25 Q. Doctor, briefly, are you involved generally in the

1 practice of ENT, ear, nose and throat?

2 A. I don't understand that question.

3 Q. Do you have any subspecialty within the practice  
4 unique within your group?

5 A. I still am having trouble. What do you mean by  
6 subspecialty?

7 Q. Any subspecialty in any way. Do you limit yourself  
8 in the practice of ear, nose and throat?

9 A. No, at this point I don't. Actually I limit myself  
10 in that I don't do ear surgery besides the placement  
11 of tympanostomy tubes. I limit my surgery, but  
12 besides that I do not limit it.

13 Q. Do you serve in any committee functions on medical  
14 staffs in any way?

15 A. Yes.

16 Q. Where do you serve?

17 A. I'm the chief of ENT at Hillcrest Hospital.

18 Q. For what period of time have you held that position?

19 A. I believe it will be two years. Going on two years.

20 Q. Doctor, can you describe for me the nature of your  
21 business entity here whether it is a corporation or  
22 what type of entity it is'?

23 A. It's a corporation.

24 Q. Are you a stockholder?

25 A. Yes.

1 Q. Are all of the physicians stockholders here?

2 A. No.

3 Q. How many are there?

4 A. How many shareholders?

5 Q. Stockholders, shareholders.

6 A. Five at present.

7 Q. For what period of time have you been a shareholder?

8 A. I'm in my third year as a shareholder.

9 Q. At the time of this incident in 1989 were you a  
10 shareholder to your knowledge?

11 A. No.

12 Q. Do you have any independent recollection of Allan  
13 Boyd?

14 A. No. I should say -- No, I don't. At one point I  
15 thought I may have recalled the incident, and only  
16 with a picture of him could I say. The name and the  
17 chart do not help me recall anything.

18 Q. Separate and apart from the written record that we  
19 have here before **us** do you have any independent  
20 recollection concerning his treatment, examination,  
21 or any of this?

22 A. No.

23 Q. All right. When did Allan Boyd come under your  
24 care, **if** you know?

25 A. I don't know from the chart. November 22, 1989.

1 Q. Okay. And how do you know that?

2 A. Because on the chart it says date of office visit  
3 November 22, 1989.

4 Q. That is the time the chart was first created?

5 A. That, I don't know. I believe so. That is the time  
4 that the patient appeared to me with his chart.

7 Q. I've asked you to produce all of your office records  
8 pertaining to this patient. Have you looked for any  
9 prior records there might have been other than this  
10 patient file?

11 A. In regards to this patient?

12 Q. Yes.

13 A. Yes.

14 Q. This **is** all you have been able to locate?

15 A. Yes.

14 Q. When he came to see you on November 22, 1989 what  
17 was the reason for presenting here in your office?

18 A. Again, I have to rely on the chart.

19 Q. If you would.

20 A. He presented with a lesion on the tongue that had  
21 been there for two months. It was occasionally  
22 painful and had not changed in size.

23 ~~Q.~~ And that's the **history** that ~~you~~ gained **from** him at  
24 that time?

25 A. Yes.



1 Q. Did you at that point in time learn how he had come  
2 to be under your care?

3 A. In the cover sheet which you just received we tried  
4 to find out how he was referred to us.

5 Q. What did you find?

6 A. He didn't say.

7 Q. Okay. Here we have on what's been marked for  
8 identification purposes as Bert Brown Deposition  
9 Exhibit 1 a page concerning your examination,  
10 correct? You have to answer verbally for the  
11 record.

12 A. Yes.

13 Q. Here we have some handwriting. Is all the  
14 handwriting on this form in your handwriting?

15 A. Yes.

16 Q. If we look in the upper right hand --

17 A. No, it's not. This is not my handwriting,  
18 (indicating).

19 Q. If you can identify what you are pointing to?

20 A. In the left-hand side of the page.

21 Q. We have a stamp in the left-hand margin?

22 A. The writing within that is not my writing.

23 e. Here we have under, Referred by?

24 A. That's not my writing. Anything at the top of  
25 the page before the history where it says, History

1 and physical, all of that writing is not my writing.

2 Q. When a patient presents in your office or when a  
3 patient presented in your office in 1989 and a  
4 record was created who would create the initial page  
5 of the record?

6 A. The initial page would be given to the patient to  
7 fill out the information.

8 Q. All right. They would generally prepare that in  
9 their handwriting?

10 A. Yes.

11 Q. Does your office do anything to create a patient  
12 record other than this initial face sheet?

13 A. No. That, and the sheet that I write my history and  
14 physical on with the information on that.

15 Q. Other than the initial face sheet that's created in  
16 the patient's handwritten form you don't create a  
17 billing sheet or an initial face sheet within your  
18 office concerning that patient?

19 A. Well, upon leaving there is a face sheet where  
20 billing information is filled out.

21 Q. For billing purposes?

22 A. Yes.

23 Q. But there is no other sheer which collects data  
24 concerning how patients are referred, billing  
25 procedures? You don't Xerox a copy of their

1 hospitalization card or anything of that nature and  
2 collect data'?

3 A. At that point I don't know if they did copy the  
4 card or not. I'm not sure.

5 Q. All right. We have what's been marked for  
6 identification purposes as Bert Brown Deposition  
7 Exhibit 1. Can you describe what this form is  
8 called within your office?

9 A. That was our history and physical form.

10 Q. And is any portion of that prepared prior to your  
11 examination of the patient?

12 A. The stamp at the top on the left-hand side is placed  
13 before I --

14 Q. Before you actually see the patient?

15 A. Before I actually see the patient.

16 Q. We have some typewritten information on the top of  
17 the form. Is there a clerk or other person here who  
18 prepares that?

19 A. Yes.

20 Q. Do they prepare that before you actually see the  
21 patient?

22 A. Yes.

23 Q. Here we have certain information on Brown **Exhibit 1**  
24 which is typewritten and pertains to Allan Boyd. We  
25 have some handwritten notation. Can you tell me who

1           actually would have made those?

2   A.     That would be the nurse who placed the patient into  
3           the room.

4   Q.     Are you able to tell from the handwriting who that  
5           would have been in your practice in 1989?

6   A.     No.

7   Q.     I take it you are able to tell from this sheet that  
8           Allan Boyd, in fact, came to see you on the date  
9           that is indicated?

10  A.     Yes.

11  Q.     The sheet was prepared within your office?

12  A.     Yes.

13  Q.     And it would generally accurately depict what  
14           occurred here within the office, correct?

15  A.     Yes.

16  Q.     But You have no independent recollection concerning  
17           actually seeing this patient or the events that  
18           transpired other than the written records that we  
19           have before us on these five pages. Is that fair?

20  A.     I don't understand that.

22  Q.     Okay. Other than what we have here before us on  
22           these five pages you have no independent  
23           recollection concerning this patient?

24  A.     Yes.

25  Q.     That's fair. Now, you got a history from this

1 gentleman when he came in, and he indicated  
2 apparently to someone that he had been referred by  
3 his wife's mother; is that correct?

4 A. Yes.

5 Q. We know that because it's written on the sheet?

6 A. Yes.

7 Q. You do not know who actually wrote that on the  
8 sheet?

9 A. The nurse did.

10 Q. Did you ever learn that Allan Boyd had had a  
11 mother-in-law who had been treated by Dr. O'Brien?

12 A. No.

13 Q. You do have a partner or a shareholder in your group  
14 Dr. O'Brien, do you not?

15 A. Yeah.

16 Q. Okay. You have no recollection concerning any  
17 conversation concerning this gentleman's  
18 mother-in-law or her treatment for oral cancer; is  
19 that correct?

20 A. That's correct.

21 Q. That's correct. Okay. Now, after you obtained a  
22 history what did you do with regard to this  
23 gentleman?

24 A. Well, again, going from the record, I did a physical  
25 examination, and at that time a lesion was

1 identified on the tongue and I excised it.

2 Q. All right. Are you able to tell anything as you  
3 sit here today concerning the appearance of the  
4 lesion or location of the lesion that was on the  
5 tongue?

6 A. The appearance of the lesion was a white plaque with  
7 a questionable ulcer. The location was on the  
8 tongue. That's all I can answer to.

9 Q. You don't know where on the tongue it was located?  
10 There is no diagram or anything for us to recreate  
11 where that lesion was located?

12 A. I know, given the fact I did a biopsy in the office,  
13 it was on the anterior portion of the tongue.

14 Q. How do you know that, Doctor'?

15 A. Because I would not biopsy the posterior portion of  
16 the tongue in the office. I cannot excise that.

17 Q. All right. Now, with regard to your general  
18 practice you would not have excised that here in  
19 the office?

20 A. Yes.

21 Q. I assume from the record that the excision occurred  
22 during the first visit when he first presented in  
23 your office. Is that accurate?

24 A. Yes.

25 Q. You describe the lesion as white plaque lesion. Can

1           you describe it more particularly than that?

2   A.       I can only rely on the note.   It's a white plaque.

3   Q.       From the note does that indicate anything to you?   I  
4           understand that it was a white plaque, but anything  
5           more?

6   A.       No.   The note indicates as written.

7   Q.       What office was this gentleman examined in?

8   A.       The Marymount office.

9   Q.       I take it from your notes that the primary reason he  
10           presented in your office was this white lesion,  
11           correct?

12   A.       Yes.

13   Q.       That was his original complaint and the reason that  
14           he presented here?

15   A.       Yes.

16   Q.       We know from your note that it was a white plaque  
17           lesion.   Are there various white plaqued lesions  
18           which can occur within the mouth?

19   A.       Many lesions can look like a white plaque.   A white  
20           plaque is purely a descriptive term.

21   Q.       What do you mean by white plaque?   How does it  
22           describe lesion?

23   A.       Normal mucosa is pink.   It was an area of white  
24           surrounding the normal pink mucosa.

25   Q.       Are you able to tell from the note the size of the

1 lesion when you excised it?

2 A. No.

3 Q. Were sutures placed?

4 A. Yes.

5 Q. At the excision?

6 A. (Indicating).

7 Q. Does that indicate anything to you concerning size?

8 A. If I can go further, we have --

9 Q. If you would?

10 A. -- we have a pathology report that states the size  
11 of the lesion. That was the size.

12 Q. My concern is it appears on your billing report  
13 there is one estimate of the size of the lesion?

14 A. That's correct. In other words, on the billing  
15 report the lesion was between one and two  
16 centimeters.

17 Q. Is that in your handwriting?

18 A. That is not my handwriting.

19 Q. How would that occur and how would it be placed on  
20 that billing report?

21 A. The nurse working with me on the billing report  
22 would ask me what size.

23 Q. And you would have responded **rhat** it was one to two  
24 centimeters?

25 A. That is actually the size of the defect created by



1 the excision.

2 Q. Describe for me how you differentiate between the  
3 size of the defect and the size of the lesion? What  
4 do you mean by that?

5 A. When you excise a lesion you take an area of normal  
4 mucosa around the lesion and you are then left with  
7 a bigger defect than the actual lesion size.

8 Q. Why is that?

9 A. Well, to excise a lesion, which was done, you try  
10 to remove it completely.

11 Q. And when we talk about trying to remove it  
12 completely I assume you try not to cut the lesion  
13 but try to provide some margin --

14 A. Yes.

15 Q. -- surrounding the lesion when you remove it?

16 A. Right.

17 Q. Are you able to tell from the record why you excised  
18 this lesion?

19 A. It was a white plaque. It always is in the back of  
20 one's mind the thing you must rule out in any sort  
21 of white plaque is a cancer, and it would be excised  
22 as a plaque that would not heal to examine under the  
23 microscope.

24 Q. Would it be your practice to excise all white  
25 lesions from the mouth?

1 A. No, not initially.

2 Q. All right. What was it about this lesion, if you  
3 know, that would have caused you to excise it on the  
4 first visit?

5 A. Well, again, having no recollection predisposing of  
6 looking at the report, I did not feel at my initial  
7 assessment of this this was an inflammatory lesion.

8 Q. Are you able to tell how you concluded that?

9 A. Because I wrote in my impression questionable  
10 Candida, which is yeast infection, inflammation.  
11 That is what I was thinking at that time. The  
12 reason I would excise under those circumstances  
13 would be patient anxiety.

14 Q. Now, do I understand from your office notes that  
15 Candida and the inflammation are consistent? You  
16 are not trying to distinguish between the two, but  
17 Candida and inflammation were present?

18 A. Well, the inflammation is an irritation mucosa. It  
19 can be caused by many things. Candida is one source  
20 that often causes a white plaque. So I felt, yes,  
21 it could have been there was a Candida infection  
22 that caused the inflammation.

23 A. As I understand your testimony, however, the reason  
24 that you excised the lesion was there was a  
25 potential for malignancy and it was to rule out that

1 potential; is that correct?

2 A. Well, let me say on a first visit if I felt that  
3 this was inflammation I would have normally tried  
4 some medication first and seen the patient in a  
5 brief two weeks usually. If it were a patient that  
6 was -- he has had this for two months, was very  
7 anxious, just wanted it off, was worried it was a  
8 cancer, and if he asked me can you say a hundred  
9 percent it is not a cancer, even though you think  
10 that it may not be from its appearance, I'd say no.  
11 So if the patient were anxious and wanted it off,  
12 for the patient's sake I would have taken it off  
13 initially.

14 Q. All right. Let me see if I can recap the testimony  
15 so that I understand it and restate it. The  
16 observations that you have recorded on your record  
17 and what you are reviewing with us today present no  
18 cause for you to conclude immediately that the  
19 lesion would have been cancerous and, initially,  
20 upon this presentation you felt that the lesion was  
21 benign, due to Candida and inflammation, but the  
22 fact that you excised that on the first visit causes  
23 you to believe **that** you would only have done that  
24 because of the anxiety of the patient and to satisfy  
25 the concerns that that patient would have had about

1 the possibility of cancer; is that correct?

2 A. Yes.

3 Q. In other words, it doesn't hurt to cut it off if  
4 the patient is worried about it being cancer and it  
5 provides him with some piece of mind?

6 A. And it's been there two months and hasn't gone away.

7 Q. It's not bad practice to cut it off?

8 A. No.

9 Q. Conservative practice would have permitted you,  
10 based upon what you were reading here, to follow it  
11 closely and with a follow-up of perhaps two weeks?

12 A. Yes.

13 Q. When you examined this lesion you considered at that  
14 time and had a provisional or working diagnosis of a  
15 benign lesion. Is that fair?

15 A. Yes.

17 Q. You excised it, and you told the patient to follow  
18 up in one week, correct?

19 A. Yes.

20 Q. And that was because, essentially, the pathology  
21 report, getting the report back on that lesion,  
22 would take approximately one week; would it not?

23 A. Yes, but that's not the only reason he should come  
24 back in a week.

25 What was the reason that you had him follow-up in

1           one week?

2       A.     Number one, to get the pathology report.   Number  
3           two, to discuss the pathology report in person with  
4           him and, number three, to see how the wound was  
5           healing.

6       Q.     All right.

7       Q.     When you excise a lesion, such as you did in this  
8           case, can you describe for us procedurally how you  
9           go about it and what you did?

10      A.     I would take a knife and form what we call an  
11           ellipse around the lesion, and in the course of  
12           dissecting it you get a feel for where the lesion  
13           is, how deep the lesion goes.   You're palpating it  
14           as you work it so you get a feel for what the lesion  
15           exactly is or get a feel for what it clinically is.  
16           So you take margins all around the sides, a deep  
17           margin, and close the wound up.

18      Q.     All right.   And you take margins all around the  
19           sides and the deep margin.   Why?

20      A.     I'm excising a lesion.

21      Q.     I understand.   Is there a reason that you actually  
22           provide that margin surrounding the lesion?

23      A.     To try to remove the lesion completely.

24      Q.     And why is it important to remove the lesion  
25           completely?

1 A. Well, when you are doing a biopsy if it is a small  
2 lesion you are trying to eliminate it.

3 Q. The reason for excising this lesion was to perform  
4 a biopsy, was it not?

5 a. Yes.

6 Q. In other words, to have it pathologically reviewed  
7 so you could know what the actual cause of the  
8 problem was?

9 A. And to eliminate the lesion for the patient to  
10 eliminate the problem that it was causing.

11 Q. In general, when you take a biopsy here at the  
12 Marymount office where does the specimen go and what  
13 is the procedure for having it analyzed?

14 A. The pathology department at the hospital.

15 Q. And, procedurally, how does it go? What is done  
16 here?

17 A. It is placed informally with a fixative and taken  
18 to *the* pathology department.

19 Q. It is placed informally by your office'?

20 A. Yes.

21 Q. **Is** there a request form that goes along with it?

22 A. Yes.

23 Q. Is rhat a patnoiology department request form?

24 A. Yes.

25 Q. Is that form then subsequently returned to your

1 office?

2 A. No.

3 Q. Does your office retain a copy of that?

4 A. No.

5 Q. I assume the request form contains some instructions  
6 for the pathology department; is that correct?

7 A. It may or may not. I don't know.

8 Q. Well, what is your general practice? Do you  
9 generally provide -- Let me complete the question.  
10 Do you generally provide some directions in  
11 providing that specimen to the pathology department?

12 A. The form states clinical diagnosis and operative  
13 procedure, and those two areas are filled out.

14 Q. In providing that specimen to the pathology  
15 department are you asking a specific question  
16 concerning the specimen or are you asking for a  
17 general analysis and response?

18 A. It depends on the biopsy and what the clinical  
19 suspicions are. There is no set answer for that.

20 Q. I notice on the billing form that was prepared by  
21 your office that's been marked for identification  
22 purposes as Deposition Exhibit 3, is that the  
23 billing form that came from your office?

24 A. That's an office billing form, yes.

25 Q. Okay. It was prepared here in your office?

1 A. Yes.

2 Q. By someone in accordance with your instruction,  
3 correct?

4 A. Right.

5 Q. If we look at these two forms, and I'm looking at  
6 Exhibit 1 and Exhibit 3, we see that Allan Boyd was  
7 in your office on the 22nd of November, 1989?

8 A. Yes.

9 Q. At the point in time when he was released from your  
10 office your impression was that he was suffering  
11 from an inflammation and Candida; is that correct?

12 A. I thought that was one possibility.

13 Q. All right. Was this a working diagnosis at that  
14 point in time?

15 A. It was, but it wasn't firm. That is why there is  
16 a question mark.

17 Q. Of course. And the pathology report was needed to  
18 more carefully diagnose the condition. But that was  
19 your working diagnosis or impression at the time?

20 a. Yes, yes.

21 Q. By that I mean at the point in time when Allan Boyd  
22 walked out of your office you had the impression  
23 that this was a benign 'lesion but that it was  
24 necessary just to eliminate the possibility that it  
25 was cancerous. Is that fair?



1 A. Yes.

2 Q. All right. You prescribed some medication for him,  
3 did you not?

4 A. Yes.

5 Q. What did you prescribe?

6 A. Mycelelex.

7 Q. And what is the purpose for Mycelelex?

8 A. That is an anti-yeast medicine.

9 Q. It is a topical --

10 A. It is a lozenge that you dissolve in your mouth.

11 Q. That was for the treatment of what you thought at  
12 that time was Candida; is that correct?

13 A. Yes.

14 Q. You left instructions that Allan Boyd was to follow  
15 up in one week. And that is your handwriting,  
16 correct?

17 A. Yes.

18 Q. FU one week?

19 A. Yes.

20 Q. By that was Allan Boyd to contact your office by  
21 telephone?

22 A. No, he was to see me again in one week.

23 Q. Was an appointment scheduled on the 22nd of  
24 November, 1989 for him to see you in one week?

25 A. I cannot tell that from the records.

1 Q. Do you have a calendar or is there a record of such  
2 appointment?

3 A. We don't have the records from the time period after  
4 this.

5 Q. What does that mean?

6 A. We don't have records of my patient appointments for  
7 the month of December.

8 Q. Of 1989?

9 A. Yes.

10 Q. Can you tell me why you don't have those records?

11 A. No.

12 Q. No? You have them for prior to that and after, but  
13 you don't have them for December of '89?

14 A. (Indicating).

15 Q. So I assume from your testimony you've actually  
16 checked to see if an appointment was made and if it  
17 was broken, and you are unable to conclude from the  
18 written record just what had happened. Is that  
19 fair?

20 A. Can I ask my lawyer one question?

21 Q. Yes, of course.

22 MR. MURPHY: Step out just for a  
23 minute.

24 (Discussion was had off the record.)

25 (Reporter read back previous question.)

1 A. No.

2 Q. Go ahead and explain.

3 A. I did, needless to say when this all occurred, I  
4 extensively went through the record to see what  
5 happened.

6 Q. Of course.

7 A. Those records were missing. And I've gone through  
8 it multiple times myself personally after having  
9 other people try. What I did find on the chart for  
10 other people who were seen in that week or whatever  
11 is if someone made an appointment the following  
12 week, as I asked him to **do**, and didn't show up there  
13 would be an N/S written on the chart. On his chart  
14 no N/S is written.

15 Q. Let me understand then. See if I can make it clear  
16 for the record. Generally you have some calendars  
17 or other records of appointments that are made in  
18 the office for 1989 and other years, correct?

19 A. (Indicating).

20 Q. You have to answer verbally for her.

21 A. Yes.

22 Q. And you have gone back to try to determine whether  
23 Allan Boyd had an appointment in December of 1989,  
24 and for some reason unknown to us today those  
25 records have been lost --

1 A. Yes.

2 Q. -- concerning the calendar?

3 A. The appointment, yes.

4 Q. But you were, when this happened, able to look at  
5 other charts concerning the treatment of patients  
6 that were seen in or about this time, correct?

7 A. Yes.

8 Q. And by that I mean we have here five pages that  
9 would make up this patient's chart. You have  
10 similar records pertaining to other patients that  
11 were seen in the week of mid-November 1989, correct?

12 A. Yes.

13 Q. And where an appointment was made at that time and  
14 the person failed to appear, the chart was marked in  
15 some way?

16 A. Yes.

17 Q. Okay. How was the chart marked and by whom, if you  
18 know?

19 A. The charts -- When a patient had an appointment at  
20 that time the charts were collected either that  
21 morning or the day before the appointment. They  
22 would be kept up at the front. When the patient  
23 came for an appointment he would be given his chart  
24 or the nurse would take his chart and put him in a  
25 room. If at the end of that day if an appointment

1 was supposed to be scheduled, the chart was out  
2 waiting for the patient who did not show up, one of  
3 the nurses would open the chart and mark on that  
4 day, write N/S indicating he did not show up and  
5 follow as arranged.

6 Q. From your general practice as verified from other  
7 charts you were able to conclude that this patient  
8 did not fail to appear for a scheduled appointment  
9 approximately a week after November 22, 1989? Is  
10 that your conclusion?

11 A. Could you repeat the question?

12 Q. Yes. From the fact that there is no N/S on this  
13 chart, you are able to conclude that the patient  
14 Allan Boyd did not fail to appear for a scheduled  
15 appointment at or about this time?

16 A. It seems, yes, that he did -- No follow-up  
17 appointment was made.

18 Q. And you are able to conclude that because there is  
19 no record of a follow-up appointment in your file --

28 A. Right.

21 Q. -- and there is no N/S on his chart?

22 A. Right.

23 Q. Okay. Are you able to conclude from your chart in  
24 any way or from any records that the result of the  
25 pathology examination was ever indicated to Allan

1 Boyd?

2 A. No.

3 Q. Are you able to conclude in your chart that it was  
4 not communicated to Allan Boyd --

5 A. No.

6 Q. -- in your opinion?

7 A. No.

8 Q. We just can't tell from the written record. Is that  
9 fair?

10 A. Yes,

11 Q. From the pathology report which has been marked for  
12 identification purposes as Brown Deposition Exhibit  
13 4, I see under the pre-op diagnosis and the post-op  
14 diagnosis certain typewritten language, correct?

15 A. (Indicating).

16 Q. This is typewritten language that would have been  
17 placed there in the pathology department here at  
18 Marvmount, correct?

19 A. Yes.

20 Q. There is the pre-op and the post-op diagnosis white  
21 plaque tongue - rule out Candida. Would that have  
22 been taken from instructions given by you to the  
23 pathology department?

24 A. I cannot say that for sure. I have to believe,  
25 though, they cannot have that without my

1 information so I guess the answer is yes.

2 Q. Okay. We conclude yes because it's logical if they  
3 had that information they must have gotten it from  
4 you in some way, correct?

5 A. Yes.

6 Q. Just in general terms, when a specimen is taken from  
7 your office, placed in a fixing agent, and conveyed  
8 to the pathology department here how long does it  
9 take for you to receive a report concerning that  
10 examination?

11 A. Within a week.

12 Q. When you receive a report what is the normal way in  
13 which you receive it?

14 A. It's placed in a mailbox at the hospital.

15 Q. A written report such as this is placed in the  
16 mailbox?

17 A. This actual report.

18 Q. Are there any findings that would be conveyed to you  
19 prior to receipt of that written form?

20 A. In this case?

21 Q. In general.

22 a. At times if there's a question or at a time a  
23 pathologist is looking at a slide they have  
24 questions they may call you before they would have  
25 written the report.

1 Q. Do you know if that occurred in this case?

2 A. Yes.

3 Q. You know that from the notation on the chart of the  
4 28th?

5 A. Well, yes. There's a note on 11-28-89 that states  
6 the pathology Hyperkeratosis, mild dysplasia.

7 Q. Is that notation written in your handwriting?

8 A. That's my handwriting.

9 Q. You have no independent recollection concerning that  
10 telephone conversation, do you?

11 A. We have a note that Dr. Alonso, the pathologist who  
12 typed this report, called on that day.

13 Q. And that note is where?

14 MR. MURPHY: I've got a copy of it.

15 Just a telephone message sheet.

16 Q. (BY MR. YOUNG) Is that message sheet something that  
17 was taken from Dr. Alonso's records or a hospital  
18 record?

19 A. No, that is from our message sheet.

20 Q. Message sheet being a form here in your office?

21 A. A book with telephone messages.

22 Q. Carbonized form?

23 A. Yes.

24 Q. Are there any other written notations of any sort  
25 other than this and the five pages that we have



1           here before us that provide any information  
2           concerning Allan Boyd to your knowledge?

3       A.     No.

4                       MR. MURPHY: Before I give this to  
5                       you, this is out of a book, a carbon copy  
6                       of telephone messages. I'm going to have  
7                       somebody cut off these other patients  
8                       just on the same sheet.

9                       MR. YOUNG: That's fine. Why don't we  
10                      get that now and I'll continue to ask  
11                      questions while that's being done.

12                      (Brown Deposition Exhibits 5 and 6  
13                      marked for identification)

14       Q.     (BY MR. YOUNG) Doctor, showing you what's been  
15               marked for identification purposes as Brown Exhibit  
16               5, this is the intake sheet we've been referring to  
17               as we've gone through the deposition?

18       A.     Yes.

19       Q.     Showing you what's been marked for identification  
20               purposes as Brown Deposition Exhibit 6, that is the  
21               telephone notation concerning Dr. Alonso's call,  
22               correct?

23       A.     Yes.

24       Q.     Before we get into the receipt of that call and what  
25               was actually said, as I understand your testimony

1 generally you send a specimen off to the pathology  
2 department here at Marymount and you receive a  
3 written typed report, an official report, of the  
4 reading at your mailbox at the hospital; is that  
5 correct?

6 A. Yes.

7 Q. If there is any question or any problem, there can  
8 be a direct contact by the pathologist?

9 A. Yes.

10 Q. What occasions would call for direct contact by the  
11 pathologist?

12 A. When they have a question, when they look at a  
13 specimen, something looks unusual to them, doesn't  
14 fit in with the clinical history they have received.

15 Q. I take it then they would contact you for  
16 information received by you in the clinical  
17 examination that led to the biopsy; is that correct?

18 A. Yes, additionally.

19 Q. That is additional information they need?

20 A. Yes.

21 Q. They are not there seeing the patient and, so,  
22 perhaps there is something they need to be able to  
23 place in proper context what they are seeing under  
24 the microscope?

25 A. Or something that can help them clarify things, yes.

1 Q. What I am trying to understand, what other  
2 information could help them clarify a pathology  
3 slide?

4 A. It depends on the actual biopsy, where it came from.  
5 Maybe an example would be a biopsy from here, but  
6 there is a piece of tissue that looked like it came  
7 from somewhere else --

8 Q. I see.

9 A. -- they would call and say why -- where did you take  
10 this? Is there any reason, you know, why it might  
11 look like this?

12 Q. The pathology department would not contact you for  
13 assistance in reading a slide, however, would they?

14 A. No.

15 Q. You don't read your own slides?

16 A. No.

17 Q. Okay. And you do not look at the specimen yourself  
18 under the microscope?

19 A. I do at times. But I rely on the pathologist's  
20 report generally.

21 Q. On what occasions would you look at the slide  
22 yourself?

23 a. In the case of an unusual pathology.

24 Q. In consultation with the pathologist?

25 A. It is not so much in consultation as so just to see

1           what it looks like. For my own purposes. They're  
2           not consulting me. Or in the case where I received  
3           a biopsy report that was not consistent with my  
4           clinical impression, I might call them and want to  
5           look at the slide with them.

6    Q.     All right. We have before us what has been marked  
7           for identification purposes as Exhibit 6, and it  
8           indicates that Dr. Alonso contacted you and was  
9           unable to reach you to discuss this case on  
10          November 28, 1989, correct? That's what that slip  
11          indicates? In other words, she called but didn't  
12          reach you and you got a message?

13   A.     Yes.

14   Q.     And I assume it is your general practice to return  
15          that type telephone call on that day?

16   A.     Yes.

17   Q.     And the message indicates that she called regarding  
18          Boyd, **Allan**, correct?

19   A.     Yes.

20   Q.     Are you able to draw any conclusions as a result of  
21          the contents of this telephone message?

22   A.     Well, coupling this message with my note on  
23          Exhibit 1 dated 11-28-89.

24   Q.     You are able to conclude, in fact, you did return  
25          her phone call?

1 A. We talked, and she gave me this preliminary  
2 diagnosis.

3 Q. What was the preliminary diagnosis that she gave  
4 you?

5 A. Hyperkeratosis, mild dysplasia.

6 Q. What does that verbal report indicate to you?

7 A. (Indicating). The pathology as stated.

8 Q. Hyperkeratosis and mild dysplasia. But for the  
9 purpose of using this record at another time to  
10 explain what hyperkeratosis and mild dysplasia is,  
If can you tell me what is meant by that?

12 A. Keratosis is a debris. As the skin or  
13 mucous membranes grow they shed debris, and  
14 keratosis is that debris on top of the tissue. Mild  
15 dysplasia is there is a certain appearance of cells  
16 within the mucous membrane. Dysplasia suggests some  
17 abnormal looking cells, but mild dysplasia is a  
18 benign process,

19 Q. All right. I assume then from the verbal report  
20 that you received from Dr. Alonso on November 28,  
21 1989 that you concluded that this was, in fact, a  
22 benign lesion which had been excised, correct?

23 A. Yes.

24 Q. You were satisfied as a result of that verbal report  
25 that you received that there was no reason for

1 further concern with regard to the condition and  
2 treatment of Allan Boyd; is that correct?

3 A. Not completely.

4 Q. How would you clarify?

5 A. He had an excision done of a lesion. He needed  
6 additional follow-up to evaluate how it heals.

7 Q. For the excision, the healing of the normal tissue?

8 A. Yes. And this is -- Again, this is a preliminary  
9 report. So one reason I have patients come in a  
10 week later, I prefer the final report.

11 Q. As we sit here today do you -- are you able to draw  
12 any conclusion as to why Dr. Alonso would have  
13 contacted you by telephone to inform you that this  
14 was a benign condition?

15 A. Again, this is conjecture based on the record,  
16 because I have no recollection myself, but I sent a  
17 form down, at least from what we see on Exhibit 4,  
18 that says white plaque tongue - rule out Candida.  
19 In her actual pathology report she talks about a  
20 virus. So I can conjecture she wanted to discuss  
21 that with me if I thought -- if I thought there was  
22 a possibility of a virus causing this.

23 Q. As opposed to Candida?

24 A. Or in conjunction with it.

25 Q. Do you have any independent recollection of that

1 telephone conversation with Dr. Alonso?

2 A. No.

3 Q. Is Dr. Alonso a physician with whom you've worked in  
4 the past?

5 A. Yes.

6 Q. For what period of time had you worked with her  
7 prior to November of 1989, if you know?

8 A. Since I have been out -- since I started with  
9 Cleveland Ear, Nose and Throat which was August of  
10 '88.

11 Q. Do you have a good working relationship with her?

12 A. Yes.

13 Q. Have you been able to rely on her judgment in the  
14 past?

15 A. Yes.

16 Q. Were there occasions in November of 1989 known to  
17 you where she had failed to properly treat a slide  
18 on a tissue specimen that you'd rent off to that  
19 department?

20 A. No.

21 FAR. MALLERNEE: Objection.

22 Q. (BY MR. YOUNG) Have there been such occasions since  
23 November of '89?

24 MR. MURPHY: Objection.

25 FAR. MALLERNEE: Objection.

1 MR. MURPHY: You can answer. The  
2 objection is made for the record. Later on  
3 the judge can decide whether the question  
4 is appropriate or not for the case.

5 A. No.

6 Q. All right. Doctor, when you examined Allan Boyd  
7 on November 22, 1989 you found evidence of white  
8 plaque tongue and a questionable ulcer, correct?

9 A. Yes.

10 Q. I assume that when you examined him you performed a  
11 differential diagnosis. Is that fair?

12 A. Yes.

13 Q. In other words, there were many conditions that  
14 might have caused or many causes for the white  
15 plaque tongue that could have existed. Ts that  
16 fair?

17 A. Yes.

18 Q. What conditions did you consider when you examined  
19 him?

20 A. Well, you're dealing -- your looking at two things.  
21 Is it inflammatory condition or is it a growth? If  
22 it is a growth, is it a benign growth or cancerous  
23 growth? And then there's many things that can cause  
24 all of that. So to put it -- You look in those  
25 broad categories, and the thing you want to always



1 rule out is the cancer.

2 Q. If we divide it into two possibilities and we talk  
3 about inflammatory versus a growth or cancerous  
4 growth, the inflammatory condition is one which  
5 will -- is not life threatening, would you agree?

6 A. Yes. But inflammation can occur with cancer too.

7 Q. Yes. They can coexist, but it is the cancerous  
8 condition which actually presents some danger of  
9 loss of life, correct?

10 A. Yes.

11 Q. And in performing the differential diagnosis it is  
12 important to rule out the most serious conditions  
13 and that's why you did the biopsy, correct?

14 A. Yes.

15 Q. Why would the pathology department have received a  
16 request, Rule out Candida as opposed to rule out  
17 cancer?

18 A. The request was sent to give them what my impression  
19 was. They know any time they get a biopsy from the  
20 mouth they want to rule out cancer.

21 Q. When I look at the pathology department's  
22 characterization of the request it says, Rule out  
23 Candida. in fact, what you had was a working  
24 impression at that time that it was Candida but the  
25 pathologist would have understood the request was

1 made in order to rule out the more serious condition  
2 of cancer, correct?

3 A. Yes.

4 Q. As a result of your general working arrangement with  
5 them that would have been the understanding between  
6 the two of you?

7 A. Yes.

8 Q. You send off a specimen in order to rule out that  
9 life-threatening condition?

10 A. Yes.

11 Q. What information do you have to get back from the  
12 pathology department in order to draw a proper  
13 conclusion or diagnosis as to the condition?

14 A. I don't understand that question.

15 Q. Well, you send the specimen off to them and you ask  
16 them for advice concerning what their interpretation  
17 is. What do you expect to receive?

18 A. I expect to receive a report on what the microscopic  
19 evaluation of lesion showed.

20 Q. A definitive diagnosis?

21 A. Yes.

22 Q. And if you don't get a definitive diagnosis I assume  
23 you expect to receive any qualification of a  
24 definitive diagnosis?

25 A. Yes.

1 Q. By that I mean if they can't tell you definitely  
2 what they have and they have some problem  
3 interpreting it, you want to know that?

4 A. Yes.

5 Q. Has it been their past practice to advise you of  
6 such difficulty if they had difficulty in treating a  
7 specimen?

8 A. Yes.

9 Q. It had been your past practice prior to November 22,  
10 1989; is that correct?

11 A. Yes.

12 Q. When you received this verbal advice essentially it  
13 was advice that this was a benign condition,  
14 correct?

15 A. Yes.

16 Q. Was there any qualification to your knowledge  
17 concerning difficulty reading the slides, difficulty  
18 making an interpretation, or difficulty in being  
19 able to rule out cancer here?

20 A. No.

21 Q. If there had been such a qualification would that  
22 have been a relevant finding you would have entered  
23 in the record?

24 A. Yes.

25 Q. I assume that you have some system here for

1 follow-up to make sure that when you make a request  
2 for a pathology examination you, in fact, receive it  
3 within a given period of time. Is that accurate?

4 A. That's one of the reasons I have a patient come back  
5 in a week.

6 Q. So that the patient will be here and that will jog  
7 the response?

8 A. Yes.

9 Q. Do you have any written system, a particular system,  
10 a computerized system, anything that makes sure when  
11 you send a specimen off to the pathology department  
12 it doesn't get lost?

13 A. Not that I am aware of.

14 Q. So that the only check that you have on proper  
15 receipt of a report to your knowledge is the fact  
16 that the patient reappears, and that jogs your  
17 memory concerning the fact that you have a specimen  
18 out there?

19 A. Yes.

20 Q. Okay. Can you tell me when you actually received  
21 the written pathology report in this case?

22 A. No.

23 Q. The pathology report indicates that I believe it was  
24 read on the 24th. Is that accurate?

25 A. I'm not sure what this notation means.

- 1 Q. There is a reference to November 24, 1989?
- 2 A. Yes.
- 3 Q. You don't yourself know what that means?
- 4 A. Right.
- 5 Q. There is a reference at the bottom to November 29,
- 6 1989. Do you know that to be the day on which it
- 7 was typed?
- 8 A. I can only tell from the record. I think when you
- 9 see that that means that is when it was typed.
- 10 Q. You yourself do not know in your practice?
- 11 A. NO.
- 12 Q. And you have no personal knowledge concerning when
- 13 the written record would have been received through
- 14 your mailbox into your office?
- 15 A. No.
- 16 Q. And I believe your testimony is that you have no way
- 17 of knowing whether, in fact, the result of the
- 18 pathology report was ever communicated to Allan
- 19 Boyd?
- 20 A. Yes.
- 21 Q. Correct?
- 22 A. Yes.
- 23 Q. Are there occasions on which you have someone in
- 24 your office communicate verbally to a patient the
- 25 result of a pathology examination, pathology report?

1 A. I don't.

2 Q. You do not?

3 A. No.

4 Q. Are there occasions in your practice when that has  
5 occurred?

6 A. It could in the case of a benign report I believe.

7 Q. By that I mean where you believe at the time of the  
8 treatment of the patient it is not necessary to  
9 follow up for the medical condition, and you have a  
10 benign report, there are occasions when someone in  
11 your office will contact the patient by telephone?

12 A. That question -- I don't understand that question.

13 Q. All right. You've said in your opinion you wanted  
14 to see Allan Boyd because you had surgically removed  
15 a lesion from his mouth and you would want to follow  
16 up medically to see that it was healing well?

17 A. **And** to discuss the pathology.

18 Q. And to discuss the pathology?

19 A. Face to face.

28 Q. Right. You believe that to be so?

21 A. I believe what to be so?

22 Q. What. you've just said. You wanted to see him for  
22 two reasons. That being medical follow-up on the  
24 surgeon --

25 A. That is my standard of care.

1 Q. There is a reference to November 24, 1989?

2 A. Yes.

3 Q. You don't yourself know what that means?

4 A. Right.

5 Q. There is a reference at the bottom to November 29,  
6 1989. Do you know that to be the day on which it  
7 was typed?

8 A. I can only tell from the record. I think when you  
9 see that that means that is when it was typed.

10 Q. You yourself do not know in your practice?

11 A. No.

12 Q. And you have no personal knowledge concerning when  
13 the written record would have been received through  
14 your mailbox into your office?

15 A. No.

16 Q. And I believe your testimony is that you have no way  
17 of knowing whether, in fact, the result of the  
18 pathology report was ever communicated to **Allan**  
19 **Boyd**?

20 A. Yes.

21 Q. Correct?

22 A. Yes.

23 Q. Are there occasions on which you have someone in  
24 your office communicate verbally to a patient the  
25 result of a pathology examination, pathology report?

1 A. I don't.

2 Q. You do not?

3 A. No.

4 Q. Are there occasions in your practice when that has  
5 occurred?

6 A. It could in the case of a benign report I believe.

7 Q. By that I mean where you believe at the time of the  
8 treatment of the patient it is not necessary to  
9 follow up for the medical condition, and you have a  
10 benign report, there are occasions when someone in  
11 your office will contact the patient by telephone?

12 A. That question -- I don't understand that question.

13 Q. All right. You've said in your opinion you wanted  
14 to see Allan Boyd because you had surgically removed  
15 a lesion from his mouth and you would want to follow  
16 up medically to see that it was healing well?

17 A. And to discuss the pathology.

18 Q. **And** to discuss the pathology?

19 A. Face to face.

20 Q. Right. You believe that to be so?

21 A. I believe what to be so?

22 Q. What you've just said. You wanted to see him for  
23 two reasons. That being medical follow-up on the  
24 surgeon --

25 A. That is my standard of care.



1 Q. My question is, are there occasions when you don't  
2 need to follow up with the patient for treatment of  
3 the open sore and you receive a benign report where  
4 someone in the office will contact the person by  
5 telephone?

6 A. No.

7 Q. That has not happened in your practice to your  
8 knowledge?

9 A. Yes.

10 Q. Yes, it has not happened, correct?

11 A. Yes.

12 Q. Showing you what's been marked for identification  
13 purposes as Brown Deposition Exhibit 4, this is the  
14 official pathology report, is it not?

15 A. Yes.

16 Q. You've had the occasion to read it a number of  
17 times?

18 A. Yes.

19 Q. In your opinion is this written report consistent  
20 with the verbal report that you received on  
21 November 28th?

22 A. Yes.

23 Q. We see a gross description of the tissue specimen.  
24 And the gross description contains measurements made  
25 by the pathologist, correct?

1 A. Yes.

2 Q. When you report on your billing record the size of  
3 the lesion, that is an approximation which you give  
4 to a clerk here?

5 A. Yes.

6 Q. For billing purposes, correct?

7 A. Yes.

8 Q. The accurate measurement of the specimen, however,  
9 would be contained in this gross description having  
10 actually been measured, correct?

11 A. Yes.

12 Q. And when you approximate the lesion for billing  
13 purposes, that was actually the size of the defect  
14 that was approximated? That being the defect left  
15 after removal of the lesion?

16 A. Yes.

17 Q. Do you have any reason to believe that the gross  
18 description of this lesion and the measurement  
19 contained therein is inaccurate?

20 A. No.

21 Q. I'd like you to go over the microscopic description  
22 if you would and describe for me the medical terms  
23 contained here. It reads, paragraph, The biopsy  
24 shows a hyperplastic epithelium. What is meant by  
25 that?

1 A. Well, I'm not a pathologist.

2 Q. Right. But in terms of your interpretation?

3 A. Hyperplastic epithelium would mean an increase in  
4 the number of cells.

5 Q. All right. Supported by a connective tissue core  
6 that shows moderate chronic inflammation and  
7 fibrosis?

8 A. Inflammation is an irritation. In the case of  
9 pathology it usually means there are white blood  
10 cells which migrate to the area indicating  
11 inflammation. Fibrosis is a response to  
12 inflammation. Scar tissue.

13 Q. The hyperplastic epithelium shows elongated and  
14 bulbous -- Is it rete?

15 A. Rete.

16 Q. -- rete ridges with isolated dyskeratoses. What is  
17 dyskeratoses?

18 A. That, I am not -- The way I characterized it  
19 dyskeratoses, parakeratosis and hyperkeratosis are  
20 changes of the cells at the surface of the lesion.  
21 In the course of them we spoke earlier about  
22 desquamation.

23 Q. The deep margin of the lesion is fairly well defined  
24 and an occasional base of a ridge appears atypical  
25 and hyperchromatic. What does that mean to you?

1 A. I'm not sure what she's getting at. The atypical  
2 I'm not sure. It could be a cell, but it's not  
3 saying it is a cell. The hyperchromatic is just a  
4 staining character. **So** I'm not sure what that  
5 means. But I don't know what she means **by** atypical.

6 Q. Skipping down it says the findings are very  
7 suggestive of a viral infection. What does that  
8 indicate to you?

9 A. Yes, it suggests a viral infection caused the  
10 lesion.

11 Q. Now, correct me if I am wrong, but I assume that you  
12 take this specimen and send it off to the pathology  
13 department because you want an expert in microscopic  
14 examination of cells to give you an opinion  
15 concerning whether it is cancerous, correct?

16 A. Yes.

17 Q. And when you receive the report back you expect this  
18 report to clearly state whether there's cancer shown  
19 on the slide or in the specimen, correct?

20 A. Yes.

21 Q. All right. Here we have following the microscopic  
22 examination a diagnosis which has been set forth by  
23 Dr. Alonso, correct?

24 A. Yes.

25 Q. And the conclusion is moderate papillary hyperplasia

1 with hyperkeratosis, focal mild atypia and chronic  
2 inflammation, from tongue. Now, is there anything  
3 in that diagnosis which gave you cause for concern  
4 concerning Allan Boyd's condition in November of  
5 1989?

6 A. This is a benign report.

7 Q. By that do you mean that from this report you were  
8 able to eliminate the possibility that the lesion  
9 was cancerous?

10 A. Yes.

11 Q. If this specimen had indicated well-differentiated  
12 squamous cell carcinoma how would you expect that to  
13 be reported?

14 A. Well-differentiated squamous cell carcinoma.

15 Q. If you, in your general practice, examine a patient  
16 and suspect carcinoma, do you make arrangements for  
17 a visit, follow-up visit, upon release of that  
18 patient? Do you understand the question?

19 A. No.

20 Q. I assume that in your history here with this group  
21 you have examined patients where you strongly  
22 suspected they were suffering from a cancerous  
23 condition?

24 A. Yes.

25 Q. You took a biopsy and you sent it off to the

1 pathology department?

2 A. Correct. Yes.

3 Q. And how would you generally make arrangements for  
4 follow-up of that patient?

5 A. In one week.

6 Q. And would the appointment be made as they left your  
7 office?

8 A. I give the patient the chart, I show him where the  
9 reception desk is, they have been told they want to  
10 be seen in one week and it is written in the chart.  
11 So I believe the appointment is being made.

12 Q. And if the appointment is not made does your office  
13 follow **up** and see that it is made in some way?

14 A. Well, at that time they tell the patient to make  
15 the appointment. There would be no reason for the  
16 office not to make it. So if the patient states I  
17 will call back, we do not call him back, we ask him  
18 to make the appointment at that time.

19 Q. Is that true even when a patient whom you strongly  
20 suspect has a cancerous condition is released? And  
21 by that I mean if they don't follow up you don't  
22 follow up?

23 A. No. Well, in your own mind that kind of jogs  
24 something. If I see a patient I really believe has  
25 a cancer and I **do** a biopsy, number one, we do get

1 the report. If it is a cancer, we're going to check  
2 into it.

3 Q. In other words, what I am looking for is when you  
4 see a patient that you suspect has cancer, you  
5 certainly are more attentive or more careful in the  
6 follow-up than if you suspect it is a benign  
7 condition, are you not?

8 A. Well, that's a difficult question to answer. When I  
9 do a biopsy I request follow-up in one week. I tell  
10 them I want to see them in one week whether I think  
11 it is benign or cancerous. Yes, when I believe it  
12 is a cancer I tell the patient that, And I would  
13 also believe that would encourage the patient to  
14 keep a close follow.

15 Q. When you tell the patient you believe they have  
16 cancer they are certainly quick to follow up, are  
17 they not?

18 A. I would think so.

19 Q. And, in fact, if you receive a report, a pathology  
20 report, which says they have cancer, and they've not  
21 contacted your office, you would make a point of  
22 contacting that patient, would you not?

23 A. Yes.

24 Q. In other words, if a person has cancer they're not  
25 easily lost to follow up, are they?

1 A. No.

2 Q. You make sure your office in some way gets in touch  
3 with them?

4 A. Yes.

5 Q. If they have a benign condition and they don't  
6 reappear in your office, you are less concerned?

7 A. Yes.

8 Q. All right. In the examination of white lesion of  
9 the mouth to your knowledge are there such things as  
10 precancerous lesions?

11 A. Yes.

12 Q. What would indicate a precancerous condition?

13 A. I don't understand that question.

14 Q. Are there conditions of the mouth which are benign  
15 but which indicate that a person is more susceptible  
16 to cancer or likely to develop cancer as a result of  
17 the benign lesion'!

18 A. Yes.

19 MR. MURPHY: Could you read that  
20 question back?

21 Q. (BY MR. YOUNG) Let me rephrase it because it was a  
22 little convoluted. I talked about precancerous  
23 lesions. Again, in your practice are there  
24 conditions known as precancerous conditions?

25 A. Yes.



1 Q. Are you able to characterize them or define them?

2 A. Precancerous lesions are lesions that are believed  
3 to potentially lead to cancer.

4 Q. Is there a medical term for the type of lesion we're  
5 talking about?

6 A. No one medical term. They're called precancerous  
7 lesions. There are findings in the pathology that  
8 make one feel they are precancerous. ,

9 Q. What findings would indicate to you that a lesion  
10 could be precancerous? Pathological findings.

11 A. What's called severe dysplasia. That is where all  
12 the cells within the mucosa are abnormal. That is,  
13 well correlated to be suspicious for a precancerous  
14 lesion.

15 Q. All right. Are there other pathological indications  
16 other than severe dysplasia that would indicate a  
17 precancerous condition'?

18 A. That is the main thing when you are talking about  
19 precancerous lesion. In other words, before it is a  
20 cancer. Having the severe dysplasia is something  
21 that you want to keep a close eye on.

22 Q. And the pathological finding, the report, would  
23 indicate severe dysplasia? Those words would occur?

24 A. Right.

25 Q. Doctor, do you have any knowledge as to when you

1 next encountered Allan Boyd in any manner or had had  
2 knowledge concerning him?

3 A. No.

4 Q. At some point in time you received a letter from Ed  
5 Galaska advising that he had been retained  
6 concerning Allan Boyd, correct?

7 A. (Indicating).

8 Q. Would that have been the next time this would have  
9 occurred to you, this matter, in any way?

10 a. Yes.

11 Q. From November 22, 1989 until today have you had the  
12 opportunity to discuss this matter with any other  
13 physicians?

14 A. Oh, with my partners.

15 Q. All right.

16 a. Yes.

17 Q. Anyone other than your partners?

18 A. Other physicians?

19 Q. Other physicians.

20 A. My father.

21 Q. He is a physician?

22 A. Yes.

23 Q. What is hi., name?

24 A. Marvin Brown.

25 Q. Marvin Brown. Anyone else?

1 A. I don't believe *so*.

2 Q. Have you had the occasion to discuss the matter at  
3 any point in time since November 28, 1989 with Dr.  
4 Alonso or any member of the pathology department?

5 A. Besides this contact --

6 Q. This contact?

7 A. -- on 11-28-89?

8 Q. You are pointing to the chart?

9 A. No.

10 Q. So from November 28, 1989 until today you have not  
11 discussed the matter with Allan Boyd with her?

12 A. I should say when I received a note from Ed Galaska  
13 I was asked by Dr. Garewal. He said he received a  
14 note, did I receive one, and I said yes, and that  
15 was all that was discussed.

16 Q. I assume that is a member of the pathology  
17 department here?

18 A. He is the chief of the pathology department.

19 Q. You have not had any other conversation with any  
28 member of the pathology department concerning this  
21 matter or any other physician other than those  
22 partners of yours or your father?

23 A. Yes.

24 Q. That's correct?

25 A. That's correct.

1 Q. You have discussed this matter or have you ever  
2 discussed the matter with Dr. Parsanko?

3 A. No.

4 Q. In your discussion of the matter with the physicians  
5 in your office have you had the occasion to review  
6 any tissue samples or slides?

7 A. No.

8 Q. You have had the occasion, of course, to review it  
9 with Mr. Murphy your attorney?

10 A. Slides or tissue samples?

11 Q. Well, this matter. You have reviewed this matter  
12 with Mr. Murphy?

13 A. Yes.

14 Q. In reviewing with Mr. Murphy have you ever reviewed  
15 it with him and discussed the facts of the case?

16 MR. MURPHY: Note an objection. I  
17 don't think that's proper questioning.

18 MR. YOUNG: I'll get to the proper  
19 question.

20 Q. (BY MR. YOUNG) You have discussed the matter with  
21 Mr. Murphy?

22 A. The case?

23 Q. Yes.

24 A. Yes.

25 Q. In discussing it with Mr. Murphy have you ever

1 discussed it with him when others were present?

2 A. No.

3 Q. By that I mean Mr. Jackson, or Mr. Farchione, or  
4 anyone of that nature?

5 A. No.

6 Q. Have you ever discussed the matter with Mr. Jackson?

7 A. No.

8 Q. Mr. Farchione?

9 A. No.

18 Q. To your knowledge, as you sit here today, is the  
11 pathology report before you that's been marked for  
12 identification purposes as Exhibit No. 4 accurate?

13 A. Yes.

14 Q. You have no reason to dispute it?

15 A. Based -- The report itself. I have received files  
16 on other reports of these slides.

17 Q. Okay. Now, what other files or other reports have  
18 you received concerning these slides?

19 A. I have the additional chart information on Allan  
20 Boyd.

21 Q. That being for his subsequent treatment after you  
22 saw him?

23 A. Yes.

24 Q. What information have you had the opportunity to  
25 review concerning Allan Boyd at any time other than

1           that that we have described here?

2    A.     I have reviewed his chart.

3    Q.     That being which chart?

4    A.     The care he received after my care.

5    Q.     Do you know what chart that was?

6    A.     Well, I have reviewed his hospital stay and hospital  
7           course, and I have seen the dentist record.

8    Q.     Any other records?

9    A.     I did see the deposition of his wife.

10   Q.     Anything else?

11   A.     I believe that's it.

12   Q.     You've taken a look at the record of Dr. Parsanko?

13   A.     Yes.

14   Q.     And those records, I believe, refer to a lesion of  
15           the tongue'?

16   A.     A lesion of tongue is mentioned.

17   Q.     And do you have an opinion concerning whether the  
18           lesion to which Dr. Parsanko refers is the lesion or  
19           the area which you examined on November 22, 1989?

20   A.     I cannot tell from that record.

21   Q.     All right. You have no reason to confirm or dispute  
22           that, correct, based on what you have seen?

23   A.     Yes, yes.

24   Q.     You've taken a look at the records pertaining to  
25           Allan Boyd after November 22, 1989 and once his

1 cancerous condition was diagnosed, correct?

2 A. Yes.

3 Q. Have you ever been able to draw any conclusion  
4 after review of your record?

5 A. Conclusion as far as what?

6 Q. As far as whether the condition that he was  
7 suffering from resulted from the lesion that you  
8 examined on November 22, 1989?

9 A. Was the lymph nodes in the neck related to that?

10 Q. Correct.

11 A. My conclusion would be yes.

12 Q. In other words, it appears from the records that  
13 you've examined that Allan Boyd was, in fact,  
14 suffering from squamous cell carcinoma on  
15 November 22, 1989 when you examined him. Is that  
16 fair?

17 A. No, I can't say that.

18 Q. Are you able to say that he was no??

19 A. No.

20 Q. Are you able to draw any conclusion concerning the  
21 condition that you examined on November 22, 1989  
22 from the subsequent records that you read?

23 A. **Repeat** the question.

24 Q. You've taken a look at the records. Let me give a  
25 little history here, and then I'll ask the question.

1 The only thing you have before you and the only  
2 recollection that you have concerning your  
3 involvement in this case is the written record of  
4 your chart here concerning Allan Boyd, correct?

5 A. Yes.

6 Q. And you have a report, pathology report, verbal and  
7 written, which indicates that he was suffering from  
8 a benign lesion on November 22, 1989, correct?

9 A. Yes.

10 Q. You suspected a benign condition at the time that  
11 you examined him, and the pathology report confirms  
12 that for you?

13 A. Yes.

14 Q. You had been concerned it might be a cancerous  
15 condition, a cancerous lesion, that you examined,  
16 and so you sought the pathology department's advice  
17 concerning the microscopic evaluation of the lesion?

18 A. That's not completely true the way it is worded.

19 Q. Maybe it is an overstatement. It was possible it  
20 was a carcinoma so you want an evaluation by the  
21 pathology department --

22 A. If I can clarify?

23 Q. Now ahead, yes.

24 A. Any time you do a biopsy, as we noted earlier, you  
25 want to rule out the serious condition from the



1 life-threatening one. Even given a clinical  
2 impression you always send it off to pathology and  
3 you always do want to make sure it is not a cancer,  
4 yes.

5 Q. In fact, that is why you do the biopsy, to make sure  
6 it isn't cancer?

7 A. Right.

8 Q. In this case we believe it was done because the  
9 patient was concerned that it might be cancer and  
10 to ease his frame of mind rather than a periodic  
11 follow-up. But there was the possibility in your  
12 mind on November 22, 1989 that it could be cancer  
13 and that's why you did the biopsy?

14 A. Yes.

15 Q. All right. Now, you have had the opportunity to  
16 review the records concerning Allan Boyd's treatment  
17 after he left here in November of 1989?

18 A. Yes.

19 Q. You've also had the opportunity to review the  
20 pathology interpretation of the cancerous condition  
21 from which he was suffering at the time of his  
22 treatment?

23 A. Yes.

24 Q. Has your examination of those records caused you to  
25 conclude that Allan Boyd was suffering from squamous

1 cell carcinoma of the tongue on November 22, 1989?

2 A. It has led me to be concerned that that is the case.

3 Q. All I'm trying to do is understand your opinion.

4 You are unable to confirm or deny that that is the  
5 case at this point in time based on what you have  
6 reviewed?

7 A. Well, I would like to clarify. We have this report,  
8 (indicating).

9 Q. This being?

10 A. On the biopsy slides.

11 Q. This being Exhibit 4?

12 A. We have a report from a pathologist who also  
13 evaluated these slide, and that pathologist said  
14 suspicious for squamous cell carcinoma. He had the  
15 same slides to look at. So there is a concern that  
16 this biopsy was carcinoma.

17 Q. Had you received a pathology report following your  
18 examination on November 22, 1989 which said  
19 essentially suspicious for squamous cell carcinoma  
20 what would you have done?

21 A. I would have taken him to the operating room and  
22 done a wider excision of the area.

23 Q. Why?

24 A. Because suspicious for carcinoma, this was an  
25 excisional biopsy of what I felt was a benign

1 lesion. We have a report saying suspicious for  
2 carcinoma. I'm concerned there could be an area  
3 that's definitely carcinoma in there. I would  
4 re-excise the area making sure I get an even  
5 additional margin around it and an additional deeper  
6 margin to be sure that is or is not cancer.

7 Q. When we talk about margin we're talking about area  
8 which is cut out surrounding what is a cancerous  
9 condition or suspected cancerous condition, correct?

10 A. Yes.

11 Q. What we're doing is eliminating all of the tissue  
12 and taking some healthy tissue just to make sure we  
13 have all of the diseased tissue to make sure it  
14 doesn't --

15 A. You try to remove the diseased tissue completely.

16 Q. In doing so you have to ensure there is sufficient  
17 margin surrounding the lesion?

18 A. Yes.

19 Q. When you take a specimen or you remove a lesion from  
20 the mouth do you expect the pathologist to address  
21 the issue of the margin in the pathology report if a  
22 cancerous or possibly cancerous condition is found?

23 A. Yes.

24 Q. Describe for me what you mean by that.

25 A. When you take a cancerous or precancerous condition

1 off you try to take an area of normal tissue around  
2 it to be sure the region is removed completely. So  
3 when the pathologist looks at the specimen they will  
4 see the lesion and should be able to see an area  
5 of normal tissue removed completely around the  
6 lesion to ascertain that the lesion has been removed  
7 completely.

8 Q. And if the diseased tissue or abnormal -- the  
9 atypical tissue runs to the edge of the specimen is  
10 that reported by the pathologist generally to you?

11 A. Yes, it should be.

12 Q. In what manner and why?

13 A. It is reported the diseased process is either at the  
14 margin or close to the margin.

15 Q. And that way you know it is necessary to return to  
16 the operating room and to take additional tissue if,  
17 in fact, it goes to the edge of the specimen,  
18 correct?

19 A. Depending on what the pathology is, yes.

20 Q. If you have inadequate margin you **make** sure you have  
21 adequate margin to ensure the health of the patient?

22 A. In the case of cancers.

23 Q. **to your knowledge** other than the interpretation  
24 of the slides that's contained in the records of the  
25 subsequent treatment of Allan Boyd has any physician

1 addressed or read these slides?

2 A. Besides the other expert?

3 Q. Other than treating physicians.

4 A. Well, the -- this slide was read by an outside  
5 pathologist at The Cleveland Clinic. Other than  
6 him?

7 Q. And it was read by another pathologist in the  
8 treatment of Allan Boyd. But other than those  
9 people to your knowledge --

10 A. No.

11 Q. -- you've not worked in connection with any expert  
12 witness or any other person in having these slides  
13 read?

14 A. No.

15 Q. You've described for me the fact that if a patient  
16 has a cancerous condition there's going to be more  
17 careful follow-up than if you have a benign report,  
18 correct?

19 A. Yes.

20 Q. Would that also be true if you have a precancerous  
21 pathology report?

22 A. Yes.

23 Q. By that I mean if the pathology report indicates to  
24 you a condition which may be precancerous, your  
25 department would be careful to make sure they

1 followed up and they received the proper treatment,  
2 correct?

3 A. Yes.

4 Q. Other than the viral inflammations that you  
5 described for me are there other conditions that  
6 cause white lesion of the mouth?

7 A. Many.

8 Q. Can you describe them for me'?

9 A. There can be local irritation from a tooth, or from  
10 chewing, or something like that. We mentioned the  
11 viruses. There could be a Candida infection that  
12 can cause a white plaque. Smoking itself leads to  
13 white plaques, any sort of friction in an area,  
14 again, that is related to something. The mouth can  
15 cause white plaques, glass blowers can get white  
16 plaques.

17 Q. If you have a white plaque condition and it is, as  
18 you've suggested, appearing to be a benign  
19 condition -- Let me withdraw that and ask it this  
20 way. If you have a white plaque lesion of the  
21 tongue and there appears to be a cause, whether it  
22 be local irritation, smoking, chewing tobacco,  
23 something of this sort, you've described the fact  
24 that conservatively you can follow up over a period  
25 of perhaps two weeks. Is that fair?

1 A. Hard to say the exact time period. You can follow  
2 for a brief period with some treatment to see what  
3 happens, yes.

4 Q. When we talk about treatment are we talking  
5 medication?

6 A. Medication.

7 Q. And the removal of the cause of irritation, if that  
8 is obvious?

9 A. Yes.

10 Q. In other words, if we have a sharp tooth or a  
11 problem that we are trying to treat conservatively,  
12 we would remove the cause or apparent cause of the  
13 irritation and see if the inflammation clears?

14 A. Yes.

15 Q. Follow up over a short period of time?

16 A. Yes?

17 Q. To your knowledge when you examined Allan Boyd was  
18 there any apparent cause for the irritation or  
19 inflammation in his mouth?

20 A. Not rhat I can tell from this record.

21 Q. The follow-up period to determine whether removal of  
22 the cause of irritation corrects the condition  
23 would be a short period, would it not? By that I  
24 mean a matter of weeks as opposed to a matter of  
25 months?

1 A. I would say so.

2 Q. We find a reference in Dr. Parsanko's records to a  
3 lesion of the tongue or a condition of the tongue  
4 which he examined, and a reference to the patient he  
5 has been told it's benign. Have you read those  
6 records and that notation?

7 A. Yes.

8 Q. That notation I believe is in May of '90, correct?

9 A. Yes.

10 Q. Would that five-month period of time or six months,  
11 from November of '89 to May of '90, be an abnormal  
12 period of time to wait to see if something cleared  
13 after removal of the cause of the aggravation, the  
14 source of the irritation?

15 A. Yes. You mean to do something and see him six  
16 months later?

17 Q. Right.

18 A. Yes.

19 Q. In other words, if you're going to follow up on a  
20 patient to determine whether something is benign and  
21 whether to treat it conservatively you don't wait six  
22 months generally, correct?

23 A. Yes.

24 MR. MURPHY: Just note an objection.

25 I'm looking at Dr. Parsanko's records and



1                   you can infer the patient was told it was  
2                   benign but it doesn't say that. It says  
3                   patient all okay. Going back to that  
4                   earlier question I would like let the  
5                   record to reflect that.

6                   MR. YOUNG: I stand corrected. I  
7                   didn't have the record before me when I  
8                   asked the question.

9    Q.    (BY MR. YOUNG) Doctor, let me ask you some general  
10           questions concerning terms and conditions that I  
11           have encountered in trying to read about white  
12           lesion of the mouth, and if I get beyond your area  
13           of expertise I want you to stop me and say that's  
14           not my area, I'm not a pathologist, and I don't deal  
15           with that sort of thing. But I would like to  
16           understand, if I can, the question of keratotic  
17           versus nonkeratotic lesions. Keratosis I think you  
18           described as the -- Redefine it for me, if you  
19           would?

20   A.    Again, I am not a pathologist. My understanding is  
21           as the membrane evolves it pushes the cells off and  
22           keratosis is the debris left from the cells.  
23           Normally you don't have keratosis in normal mucous  
24           membranes.

25   Q.    Is it important in the examination of white lesions

1 of the mouth to differentiate between keratotic and  
2 nonkeratotic lesions?

3 A. I don't understand that question.

4 Q. All right. In other words, in your examination is  
5 it important for you to determine whether a lesion  
6 is keratotic or not?

7 A. That's a pathological term so you can't do that in  
8 a clinical exam.

9 Q. In your treatment of these lesions do you  
10 differentiate between the hyperkeratosis simplex  
11 versus hyperkeratosis complex or is that a  
12 pathological issue?

13 A. Those are pathological issues.

14 Q. In the terms of white lesion of the mouth, do you  
15 use the term dyskeratosis?

16 A. No.

17 Q. Leukoplakia?

18 A. Leukoplakia means white plaque. That's a clinical  
19 term.

20 Q. Does it have any further definition other than white  
21 plaque?

22 A. No.

23 Q. So leukoplakia would refer to any white plaque  
24 tongue?

25 A. Yes.

1 Q. In terms of treatment of Candida, is it treated  
2 simply by medication?

3 A. Yes.

4 Q. And carcinoma is treated by excising the lesion?

5 A. Well, there's different modalities for treating  
6 carcinoma.

7 Q. In terms of your expertise certainly you examine  
8 lesions of the tongue to provide a diagnosis,  
9 correct?

10 A. Yes.

11 Q. Do you get involved in the treatment of cancerous  
12 lesions of the tongue?

13 A. Yes.

14 Q. Is there a limitation that you place on yourself in  
15 the treatment of cancerous lesions of the tongue?

16 A. Meaning?

17 Q. Is there any limitation? Is there anything you  
18 won't do in the treatment of cancer of the tongue?

19 A. I don't understand that question.

20 Q. You've described for me the fact that if you have  
21 cancer of the tongue it's surgically removed,  
22 correct?

23 A. No.

24 Q. NO?

25 A. There are many treatment modalities for cancer of

3

1           the tongue so it depends on where the tumor is,  
2           how big the tumor is which modality you pick.

3   Q.     What treatments are familiar for treatment of cancer  
4           of the tongue?

5   A.     Surgery, radiation therapy or chemotherapy,

6   Q.     Do you become involved in radiation therapy and  
7           chemotherapy of cancer of the tongue?

8   A.     I would be involved in referring the patient to a  
9           radiation oncologist or a hematologist oncologist.

10) Q.     Surgically You would take that responsibility?

11   A.     I would do the surgery, yes.

12   Q.     Did you have the opportunity in the examination of  
13           Allan Boyd on November 22, 1989 to examine more than  
14           the interior of his mouth?

15   A.     Could you repeat the question, please?

16   Q.     Yes. In your general practice -- I understand  
17           there's not a great deal here on the written record  
18           before us, but in your general practice when  
19           presented with this condition would you have  
20)          examined, for instance, the lymph nodes of the neck  
21           or anything else?

22   A.     Yes.

23   Q.     What would your general procedure have provided?  
24           What would you have done on November 22, 1989 in the  
25           examination of Allan Boyd?

1 A. I would have examined the ears, the mouth, the neck  
2 and the larynx.

3 Q. Were there any relevant findings in performing that  
4 examination on November 22, 1989 other than the  
5 findings concerning the lesion of the tongue?

6 A. Since I did not write them, I don't believe so.

7 Q. All right. And if you found something abnormal, or  
8 there had been a relative finding, it would have  
9 been your practice to record it?

10 A. Yes.

11 Q. Is there anything concerning the size of the lesion  
12 that was removed by you on November 22, 1989 that  
13 indicates to you how it would have been treated had  
14 you received a pathology report which indicated that  
15 it was well-differentiated squamous cell carcinoma?

16 A. Yes.

17 Q. What?

18 A. The size of this lesion was a small lesion, I know  
19 from the fact that I excised it it was also not a  
20 deep lesion, so that would indicate to me I would  
21 have recommended surgery.

22 Q. All right. Had it been a deep lesion I would assume  
23 he would have been hospitalized and the surgery  
24 would have been performed there?

25 A. Any additional surgery would have been performed in

1 the hospital.

2 Q. In your opinion had the well-differentiated squamous  
3 cell carcinoma have been reported concerning this  
4 lesion what additional surgery would have been  
5 necessary?

6 A. I would have taken the patient to the operating  
7 room, I would have reevaluated all the areas within  
8 the mouth, done what's called a panendoscopy, even  
9 though we looked already, looked with the patient  
10 asleep to be sure there's nothing else, and then I  
11 would have excised the area of previous excision  
12 and closed it primarily.

13 Q. And that would have been to ensure that all of the  
14 diseased or atypical cells have been removed and  
15 only healthy tissue remained in the tongue?

16 A. Right.

17 Q. Do you have any reason to believe that that surgery  
18 would not have been successful and that he would not  
19 have been cured as a result of that treatment?

20 A. Well, cancer is still cancer, you know. You can do  
21 all the things and cancer can still recur. There is  
22 no guarantee no matter what was done the cancer  
23 would not come back. But clearly if this was a  
24 cancer or were a cancer he would have had a better  
25 chance having it completely excised.

1 Q. We can only evaluate these things based on  
2 probabilities. And, of course, it's possible even  
3 with the best of care that it would have recured,  
4 but is there anything which is indicated to you by  
5 the record that causes you to believe that he could  
6 not have been successfully cured through additional  
7 surgery and removal of the atypical cells?

8 A. Cure rates for the size of this lesion, not having a  
9 complete evaluation of it, which is lacking, just  
10 based on what we have, are anywhere between 70 and  
11 90 percent.

12 Q. Based on the information that You have reviewed,  
13 that being the record pertaining to the subsequent  
14 treatment of Allan Boyd and the pathological and  
15 pathology analysis and report that you referred to  
16 earlier, do you an opinion as to whether -- Withdraw  
17 it. Withdraw it.

18 MR. YOUNG: I don't think I have  
19 anything further. Let me take a minute and  
20 look at the notes. Take a break if you  
21 like.

22 Thanks, Doctor. That's all.

23 (Discussion was had off the record.)

24 MR. YOUNG: Let's go back on the  
25 record just to make a notation that John

1 Jackson on behalf of Dr. Alonso failed to  
2 appear this afternoon.

3 MR. MALLERNEE: I'm here for John.

4 MR. YOUNG: Joe Farchione on behalf of  
5 Dr. Parsanko failed to appear. He had  
6 notice, and I'm sure there has been some  
7 problem that caused him not to appear. I  
8 have no objection to his right to  
9 cross-examine at a later date with proper  
10 note and sufficient notice prior to trial.

11 - - -

12 (Deposition concluded at 4:15 p.m.)

13 - - -

14

15

16

17

18

19

20

21

22

23

24

25



1 I have read the foregoing transcript of my deposition  
2 taken on Thursday, July 22, 1993 from page 1 to page 88  
3 and note the following corrections:

4

5 PAGE: LINE: CORRECTION: REASON:

6

7

8

9

10

11

12

13

14

15

\_\_\_\_\_  
BERT M. BROWN, M.D.

16

17

\_\_\_\_\_  
Date

18

19

20

21

22

23

24

25

THE STATE OF OHIO,     )  
                                  ) SS:                   CERTIFICATE  
COUNTY OF CUYAHOGA.    )

I, Lisa Hrovat, a Notary Public within and  
for the State of Ohio, duly commissioned and  
qualified, do hereby certify that BERT M.  
BROWN, M.D. was by me, before the giving of his  
deposition, first duly sworn to testify the truth,  
the whole truth and nothing but the truth; that the  
deposition as above set forth was reduced to writing  
by me by means of Stenotype and was subsequently  
transcribed into typewriting by means of  
computer-aided transcription under my direction:  
that said deposition was taken at the time and place  
aforesaid pursuant to notice and agreement of  
counsel; and that I am not a relative or attorney of  
either party or otherwise interested in the event of  
this action.

IN WITNESS WHEREOF, I hereunto set my hand and  
seal of office at Cleveland, Ohio, this 38th day of  
July, 1993.



Lisa Hrovat, RPR, Notary Public  
Within and for the State of Ohio  
444 Terminal Tower  
Cleveland, Ohio 44113

My Commission Expires:     January 17, 1997.