

Doc. 83

1 STATE OF OHIO :
2 : SS
3 COUNTY OF CUYAHOGA :
4 -----

5 IN THE COURT OF COMMON PLEAS
6 -----

7
8 DAYLE YAFANERO, Administratrix of the
9 Estate of ANTHONY YAFANERO, Deceased,
10 Plaintiff,

11 -vs-

Case No. 180339

12 Judge O'Donnell
13

14 STANLEY T. MECKLER, D.D.S., PHILIP J. LANDSMAN, M.D.,
15 SCOTT L. ALPERIN, D.D.S., RICHARD SIMMS, M.D.,
16 Defendants.
17 -----/

18 The deposition of JOHN H. BURROWS, M. D., a
19 witness in the above-entitled cause, taken before
20 Debra M. Chrostowski, CSR-2035, RPR, Certified
21 Shorthand Reporter and Notary Public in and for Macomb
22 County, Michigan, at 23501 Jefferson, St. Clair
23 Shores, Michigan, on the 21st day of March, 1991,
24 commencing at 4:00 o'clock P.M..

1 APPEARANCES:

2

3 CHARLES I. KAMPINSKI

4 Charles I. Kampinski Co., L.P.A.

5 1530 Standard Building

6 Cleveland, Ohio 44113

7 Telephone: (216) 781-4110

8 Appearing on behalf of the Plaintiff.

9

10 PETER W. MARMAROS

11 Reminger & Reminger

12 Seventh Floor - 113 St. Clair Building

13 Cleveland, Ohio 44114

14 Telephone: (216) 687-1311

15 Appearing on behalf of Philip J. Landsman, M.D..

16

17 PATRICK J. MURPHY

18 Jacobson, Maynard, Tuschman & Kalur

19 1001 Lakeside Avenue, Suite 1600

20 Cleveland, Ohio 44114-1192

21 Telephone: (216) 736-8600

22 Appearing on behalf of Scott L. Alperin, D.D.S..

23

24

1 WILLIAM D. BONEZZI
 2 Jacobson, Maynard, Tuschman & Kalur
 3 1001 Lakeside Avenue, Suite 1600
 4 Cleveland, Ohio 44114-1192
 5 Telephone: (216) 736-8600

6 Appearing on behalf of Richard Simms, M.D..
 7
 8

9 I N D E X

10		PAGE
11		
12	Examination by Mr. Kampinski	4
13	Examination by Mr. Murphy	65
14	Examination by Mr. Bonezzi	72
15	Further examination by Mr. Kampinski	80
16	Further examination by Mr. Bonezzi	83
17	Further examination by Mr. Murphy	85
18	Further examination by Mr. Kampinski	86
19	Further examination by Mr. Murphy	91
20	Further examination by Mr. Kampinski	92

21
 22
 23
 24

JOHN H. BURROWS, M.D., MARCH 21, 1991

1 St. Clair Shores, Michigan

2 March 21, 1991

3 About 4:00 P.M.

4 JOHN H. BURROWS, M.D.,

5 having first been duly sworn, was examined and
6 testified on his oath as follows:

7 EXAMINATION BY MR. KAMPINSKI:

8 Q. Okay. Would you state your full name,
9 please?

10 A. John Howard Burrows.

11 Q. Doctor, I am going to ask you a number of
12 questions. If you don't understand me, tell me and
13 I'll be happy to raze any question that you don't
14 understand. When you respond to my questions, please
15 do so verbally. She is going to be taking down
16 everything we say. She can't take down a nod of your
17 head. Okay?

18 A. Okay.

19 Q. You have been deposed before I take it,
20 Doctor?

21 A. I have.

22 Q. How is it that you were retained in this
23 case?

24 A. How? Mr. Marmaros contacted me by phone and

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 sent me a letter.

2 Q. Had you ever done any work for his firm
3 before?

4 A. No.

5 Q. Do you know how it is that he got your name?

6 A. I do not.

7 Q. I have just been handed your CV and quite
8 frankly, I haven't had a chance to totally absorb it.
9 What kind of physician are you, sir?

10 A. M.D.. Internal medicine and oncology
11 physician.

12 Q. Okay. And you're boarded in internal
13 medicine?

14 A. I am not boarded. I am a Fellow of the
15 American College of Physicians. It's slightly
16 different but anyways it's the same idea.

17 Q. Well, all right. Maybe I don't understand,
18 then. Have you ever taken the boards in internal
19 medicine?

20 A. No. I am a Fellow of the American College
21 of Physicians, which is the same body but it's a
22 different track. Fellowship is actually beyond the
23 boards. I didn't go through the board channel.

24 Q. All right. How did you become a Fellow?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. Elected to Fellowship.

2 Q. By other members of the board?

3 A. By the American College of Physicians. Yes,
4 sir.

5 Q. Is it the American College of Physicians
6 that would normally administer the tests --

7 A. Yes.

8 Q. -- for board certification?

9 A. Yes.

10 Q. Okay. Were you elected at a time before
11 there were boards?

12 A. No. I didn't go through the boards. And
13 later on I applied for Fellowship and was granted
14 Fellowship.

15 Q. Okay. How about as an oncologist? Are you
16 a board certified oncologist?

17 A. Because I don't have my internal medicine
18 boards I can't have oncology boards.

19 Q. Okay. Is there a Fellowship for
20 oncologists?

21 A. No.

22 Q. Okay. All right. So, you are an
23 oncologist?

24 A. I am an oncologist. Trained as an

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 oncologist. Yes, sir.

2 Q. All right. And your training consisted of
3 what, in terms of the specialty of oncology, Doctor?

4 A. Training at Ford Hospital, Henry Ford
5 Hospital.

6 Q. And when was that?

7 A. When?

8 Q. I'm sorry. '61 to '63 was your residency?

9 A. Yes. Yes.

10 Q. Okay. And you have practiced as an
11 oncologist since when, Doctor?

12 A. Since approximately 1964, '65.

13 Q. Have you written any papers on doubling
14 time?

15 A. I have not.

16 Q. What is it that you reviewed for purposes of
17 rendering your report, sir?

18 A. Well, I reviewed a lot of things, here.
19 They are not in order.

20 Q. Well, did you receive them all at the same
21 time?

22 A. No. A few things have come in, a few
23 depositions. Engelberg's deposition and Bonnell's
24 have come in. Everything else came initially.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Why don't you tell me what you had,
2 initially, prior to actually preparing your report?

3 A. Okay.

4 Q. You're referring now to a letter by Mr.
5 Marmaros, I assume?

6 A. Yes. And it's listed in there.

7 Q. Okay. And the date of that letter is what?

8 A. The date of the letter is December 17th,
9 1990.

10 Q. All right. Is that your file in front of
11 you, Doctor?

12 A. Yes.

13 Q. Why don't you let me take a look at it.
14 Has anything been removed from
15 this file?

16 A. No.

17 Q. All right. Since receiving the initial
18 materials set forth in the December 17th letter, you
19 have indicated you received Dr. Engelberg's
20 deposition. I'm sorry. You said Dr. Bonnell's as
21 well?

22 A. Yes.

23 Q. And anything else?

24 A. I don't know exactly what came. I have to

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 figure it out. There are depositions and --

2 MR. MARMAROS: Do you want me to
3 help?

4 MR. KAMPINSKI: Yeah. Sure.

5 MR. MARMAROS: I think there are
6 records from Dr. Murphy that were sent to you. I
7 think there are records that we received late from the
8 Social Security Administration and the Veterans
9 Administration, I think, which went out to the
10 doctor. I believe the depositions were sent to the
11 doctor. And if there are any other additional medical
12 reports -- you have the letter, which are identified
13 in the letter. And I think that's pretty much it.

14 Q. (BY MR. KAMPINSKI): All right. Are there
15 more letters there, Doctor, or another file there?

16 MR. MURPHY: Can I see that file?

17 MR. KAMPINSKI: Yeah. Hold on one
18 second.

19 MR. MARMAROS: Show it to him.

20 A. This is what he's talking about, here.
21 Okay.

22 Q. (BY MR. KAMPINSKI): Okay. What you have
23 handed me is another file folder and it's got the
24 letter, February 11th, '91, from Marmaros indicating

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 additional materials; correct?

2 A. I think so, yes. These things are all out
3 of order and disorganized, so.

4 Q. All right.

5 MR. MARMAROS: Those are the
6 records that you talked about.

7 Q. (BY MR. KAMPINSKI): Why don't you just let
8 me look through the rest of the stuff.

9 MR. BONEZZI: Pat, why don't you
10 let me look at that.

11 Q. (BY MR. KAMPINSKI): Did you make notations
12 in any of these records, Doctor?

13 A. No.

14 Q. Okay. If, at any time you need to refer to
15 any of this, feel free to do so. Okay?

16 A. Thank you. I probably will.

17 Q. All right. Doctor, would you agree that the
18 theory of doubling time is just that and that is, a
19 theory?

20 A. Oh definitely not.

21 Q. Would you agree that as to any individual,
22 doubling times can be different?

23 A. Yes.

24 Q. Would you agree that -- well, you refer in

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 your report to standard growth, growth rates --

2 A. Yes.

3 Q. -- correct?

4 A. Yes.

5 Q. What were you referring to?

6 A. There are patterns of growth rates from
7 various tumors that we observe and that are fairly
8 consistent and they are well supported and documented
9 in a variety of sources and we see them, ourselves,
10 clinically. And this simply is the behavior that
11 hundreds of us have observed of tumor growth that we
12 have to account for how a tumor grows on. That's what
13 I'm talking about in cell kinetics and doubling time,
14 trying to understand the growth of tissue, we have
15 evolved these patterns and understandings.

16 Q. I guess what I would like to know is what
17 references you're referring to when you claim that
18 there are standard evaluations of growth kinetics,
19 doubling times, and the biology of these tumors?

20 A. I have about a hundred such references and
21 articles that I have accumulated. And you can find
22 these things in at least eight or ten standard
23 textbooks with references back to cell kinetics and
24 doubling times. It's virtually in any oncology book

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 that you want to look at.

2 Q. What is the doubling time for a squamous
3 cell carcinoma on the tongue?

4 A. It's in a range of doubling time.

5 Q. What is it?

6 A. And the range of squamous cell carcinoma is
7 usually in the range of 50 to 100 days.

8 Q. I'm sorry. 50 to a hundred?

9 A. 50 to a hundred days of doubling from the
10 time that we can observe them.

11 Q. And what book would I look at to find that
12 figure, sir?

13 A. You can find that in several textbooks. And
14 you can find it in a whole bunch of reference
15 articles.

16 Q. Tell me which ones, please?

17 A. I can't tell you which ones. I'd have to go
18 dig it out. I have been studying cell kinetics for
19 years, probably ten years. And this kind of
20 information is not a secret. It's --

21 Q. Well, if it's not a secret, tell me where it
22 is?

23 A. I can't. I would have to go get it out for
24 you.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Fine.

2 A. Well, do you want to go to the library
3 tonight or what?

4 Q. Well, Doctor, you understand --

5 A. I am not being wise.

6 Q. You wrote a report and you referred to
7 specific information. I'm asking you where I can find
8 that information, sir?

9 A. I can get it for you. I can't get it this
10 moment.

11 Q. Weren't you asked to have it available here,
12 today?

13 A. No.

14 Q. Were you told that I wanted any and all
15 documents or articles and texts that you relied on for
16 purposes of the report?

17 A. I didn't rely on a single text. I'm telling
18 you that you can go to a great body of literature and
19 there is information there on doubling time.

20 Q. But yet you can't refer me to any?

21 A. I could refer you to them. I'd have to go
22 look them up and dig them out.

23 Q. Well, you understand we are starting a trial
24 Monday. We are here on Thursday. Doctor, when did

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 you plan to do this?

2 MR. MARMAROS: Chuck, no offense,
3 but when I asked Dr. Brenner, he said he wasn't going
4 to do my research for me. And he told me and you told
5 me to go to the library. I can site it for you in the
6 transcript.

7 MR. KAMPINSKI: I'm asking for
8 what this man relied on.

9 MR. MARMAROS: He told you that he
10 didn't rely on anything.

11 MR. KAMPINSKI: He said it is
12 supported by the literature.

13 Where, in the literature? I am
14 entitled to know that.

15 A. I'm trying to answer you. I have been
16 studying this for over ten years. And I do not have a
17 single source that I'm relying on. I'm relying on my
18 accumulated knowledge of this subject that has gone on
19 for a long time. And I have several hundred -- well,
20 I have at least a hundred articles that I have
21 reviewed on cell kinetics. It's not just for this
22 case or just for squamous cell tumor kinetics. I
23 consider myself a student of it and I didn't rely on a
24 single article. I can't give you a single article for

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 this case because there is no such single article.

2 It's a body of knowledge of doubling times.

3 Q. (BY MR. KAMPINSKI): Are you suggesting that
4 some of these articles will say that the doubling time
5 of a squamous cell carcinoma of the tongue is 50 to
6 80; and some will say 60; some will say 70; some will
7 say a hundred, and some will say it's an not accurate
8 measurement?

9 A. Yes, some do.

10 Q. I see. And yet you suggest that it's not a
11 theory; correct?

12 A. You're saying that it's a theory?

13 Q. That's exactly what I'm saying.

14 A. I'm saying it's an observation. You imply,
15 when you say it's a theory, that somebody has invented
16 it and imagined that this is how things happen. This
17 is an observation, a measurement of tumor cells by a
18 variety of means. So, it's not just a theory. It's
19 an observation of a biologic event.

20 Q. How many times have you testified for the
21 defendants?

22 A. How many?

23 Q. Yeah.

24 MR. MARMAROS: If you know.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Objection. If you know.

2 A. I can only estimate probably a hundred, 120
3 times.

4 Q. (BY MR. KAMPINSKI): These are for
5 physicians who are sued for failure to diagnose
6 cancer?

7 A. For whatever. I'm an oncologist. Anything
8 to do with oncology.

9 Q. Well, I specifically asked you how many
10 times. Well, is there a difference between
11 pre-clinical and clinical doubling times?

12 A. Yes.

13 Q. What is the difference?

14 A. Simply pre-clinical is a period of time when
15 we have not had the opportunity to observe and it's a
16 point in time when these cells can't be seen in the
17 body.

18 Q. And clinical being?

19 A. Clinical is any point from which you can see
20 and measure a tumor. The earliest that we can start
21 seeing these tumors is probably about a millimeter in
22 size, as we start to see metastases in whatever site.
23 And from then on we can observe the doubling time that
24 takes place.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Typically I thought a centimeter was --

2 A. A millimeter.

3 Q. A millimeter as opposed to a centimeter?

4 A. I'm talking about a millimeter.

5 Q. I understand the difference. My question
6 is: Typically in the texts, these hundreds of texts
7 that you're referring to suggest that a centimeter is
8 when a lesion becomes clinically apparent?

9 A. That's when it most often becomes clinically
10 apparent in x-ray or many situations. I'm saying that
11 I see them showing up as metastases in the scan and in
12 various organs as small as a millimeter.

13 Q. All right. Now, is there a difference,
14 Doctor, between doubling time in metastatic disease
15 and primary?

16 A. We don't think so.

17 Q. Who is "we"?

18 A. We. I'm talking about oncologists that I
19 know.

20 Q. Which ones are those, sir?

21 A. I'm talking about the people that I have
22 worked with over the years.

23 Q. Name names. See, I can't cross examine
24 we's. I mean, you're the only one that's here. If in

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 fact you're relying on somebody else --

2 A. I am not relying on anybody. I am relying
3 on my own knowledge of this.

4 Q. All right. So, you --

5 A. I discussed doubling time with my colleagues
6 in scientific meetings on frequent occasions.

7 Q. All right. So, you don't believe there is a
8 difference in terms of doubling time between
9 metastatic tumors and primary?

10 A. I do not.

11 Q. Is this based on your experience?

12 A. Yes.

13 Q. You have never measured, obviously, a
14 pre-clinical lesion? Those are not measurable?

15 A. That's correct.

16 Q. Do you believe there is any difference
17 between doubling times of pre-clinical tumors and
18 clinical tumors?

19 A. I do.

20 Q. You believe there is a difference?

21 A. I believe there is, yes.

22 Q. Okay. And what do you believe from all the
23 information, of what the difference is?

24 A. The only information we have on this, again,

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 and this does get to be a theory, is that in vitro,
2 when you study tumor growth in cell culture, you take
3 lesions and grow them in culture. You see a doubling
4 time that is different. And we try to translate that
5 over to the change in doubling time that we then
6 observe, clinically. So, there is a progression, I
7 think. So, there is a curve of doubling time that
8 starts out rapidly and levels off probably around the
9 10th doubling or so. And by the time these lesions
10 get to somewhere in the range of 20 doublings, we
11 certainly see them, then, at a plateau.

12 Q. Ten doublings would be how large of a tumor?

13 A. Ten doublings is too small to see. It's
14 much too small to see.

15 Q. And 20?

16 A. 20 is when you start to see them. A
17 millimeter.

18 Q. And 30 would be a centimeter?

19 A. Yes.

20 Q. That's a billion cells?

21 A. Approximately.

22 Q. What method -- okay. So, you're saying that
23 -- you're concluding that there is a difference
24 because of research techniques in vitro as opposed to

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 anything that you might observe in a human because, by
2 definition, it's not observable?

3 A. That's right.

4 Q. And is this curve the Gompertizan curve?

5 A. Yes.

6 MR. MARMAROS: Do you still need
7 the articles?

8 Q. (BY MR. KAMPINSKI): What method do you use
9 for purposes of measuring doubling times, sir?

10 A. What purpose?

11 Q. No. What method?

12 A. What method?

13 Q. Yes, sir.

14 A. It's simply a mathematical calculation of the
15 volume of the tumor.

16 Q. All right. Would that be, then, the
17 occurrence method?

18 A. I don't know.

19 Q. You don't know what that is?

20 A. The what?

21 Q. Occurrence.

22 A. Occurrence? I don't know what you mean by
23 that.

24 Q. Occurrence: O C C U R R E N C E?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. I'm not familiar with that.

2 Q. How about DNA flow cytometry?

3 A. Cytometry is not a measurement.

4 Q. It's a method, though?

5 A. It's a method.

6 Q. That's what I asked you.

7 MR. MARMAROS: Can he finish his
8 response?

9 Q. Go ahead. What I told you before is very
10 true, Doctor. If you do not understand a question,
11 tell me. But what I asked you a moment ago was what
12 method you used to determine doubling time?

13 A. The method I'm using in my discussion of
14 doubling time is your measurement of the tumors that
15 we can see counting the cells.

16 Q. All right.

17 A. And measuring tumor volume. Measuring the
18 volume of a growth.

19 Q. Okay. So, in other words --

20 A. It's a mathematical measurement.

21 Q. And you have to have, obviously, two
22 measurements, don't you?

23 A. Right.

24 Q. Otherwise, you can't make any conclusions;

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 right?

2 A. That's right.

3 Q. So, you would measure at Point A, for
4 example, one centimeter and you would measure that
5 same tumor at Point B, and let's say it's two
6 centimeters. Therefore, it's double you can then
7 determine for how long it took it to double. Would
8 that be what you're talking about?

9 A. That's correct.

10 Q. That would not be DNA flow cytometry, then.
11 It's simple --

12 A. Simple measurements.

13 Q. What is DNA flow cytometry?

14 A. It's a calculation or measurement of the
15 cells in cell growth, of the observation of the DNA
16 pattern that is seen in surveying a slide of cells.

17 Q. Do you do that?

18 A. No, I do not do that.

19 Q. Do they do it at the hospital you're at?

20 A. Yes.

21 Q. Who does it?

22 A. Dr. Heraldo (phonetic).

23 Q. Who is he?

24 A. He is a pathologist.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Why would he do it and you not do it since
2 you're a student of doubling time?

3 A. I don't have the --

4 MR. MARMAROS: Objection.

5 A. -- training in doing flow cytometry. I
6 don't do any pathology.

7 Q. (BY MR. KAMPINSKI): Do you understand it?

8 A. I do.

9 Q. What is tritiated thymidine?

10 A. Tritiated thymidine is labeling where there
11 is radioactive hydrogen. It's used to label the cells
12 and you then measure the radioactive cells and count
13 the number of cells going through replication.

14 Q. And what is its purpose?

15 A. The purpose is to establish the growth of
16 cells.

17 Q. Do you do that?

18 A. I did when I was a student.

19 Q. That was, what? 20 years ago?

20 A. 20 years ago, yes, sir. It's an old
21 technique.

22 Q. Why don't you use it now?

23 A. I'm not in research any more.

24 Q. Do you believe in the staging of tumors,

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 sir?

2 A. Yes.

3 Q. What form of staging do you subscribe to?

4 A. For the most part TML. There are so many
5 that you can use the one you want.

6 Q. Do you use that for purposes of treatment?

7 A. Yes.

8 Q. You don't use doubling for purposes of
9 treatment?

10 A. No. My doubling is an interest of mine to
11 satisfy patients and physicians and attorneys as to
12 understanding tumors and I do not use it to establish
13 a treatment plan. I use it to explain things.

14 Q. Well, of course, Doctor, because if you used
15 it for purposes of a treatment plan, based upon your
16 theory of doubling, as I understand it, you wouldn't
17 treat a lot of people because they would already be
18 metastasized and there wouldn't be any hope for them?

19 MR. MARMAROS: Objection to your
20 theory of doubling time. I thought you had gone
21 through that.

22 A. As a matter of fact, the reason that so few
23 cancers are cured, today, is because of this problem.
24 Yes. You're exactly right.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. (BY MR. KAMPINSKI): So, when people come to
2 you with a certain size tumor you don't treat them?

3 MR. MARMAROS: Objection.

4 A. No. I try to treat what I can treat. In
5 breast cancer the reason we used adjunctive
6 chemotherapy is because we understand this problem.
7 We know that, in fact, that the tumors that eventually
8 evolve in any breast cancer have been there for a long
9 time and it's metastatic to the bones, even though we
10 only have few nodes, we have developed adjunctive
11 therapies to attack this whole issue.

12 Q. Well, if it's to the bone already, Doctor,
13 that person is dead; isn't that --

14 A. The patient is going to live for a long
15 time. And if we can slow that down or stop those
16 cells in the bones, that's the whole purpose of breast
17 adjunctive chemotherapy, which is, in fact, based on
18 this knowledge of cell kinetics.

19 Q. In terms of staging, is size a factor in
20 staging?

21 A. Yes.

22 Q. Is perineurial invasion a factor?

23 A. Yes.

24 Q. And are those factors for purposes of

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 prognosis?

2 A. Yes.

3 Q. Are those factors for purposes of
4 determining whether or not there has been metastasis?

5 A. It's a guess at that point.

6 Q. Do you consider any authors or works to be
7 authoritative as it relates to tongue cancer?

8 MR. MARMAROS: Objection as to
9 authoritative.

10 A. No.

11 Q. (BY MR. KAMPINSKI): Do you consider
12 yourself authoritative as it relates to tongue cancer?

13 A. No.

14 Q. Is your practice of oncology, is it
15 specialized as to certain types of cancer? For
16 example, I notice that you use xeromammography. I
17 guess my question is: Is that what you do is breast
18 cancer?

19 A. Approximately 50 percent is breast cancer.

20 Q. How about the other 50 percent?

21 A. General.

22 Q. General oncology or general medicine?

23 A. General oncology.

24 Q. And do you see many tongue cancer patients?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. I see probably four or five a year.

2 Q. Okay. Cure any of them?

3 A. Do I cure any?

4 Q. Yeah.

5 A. I think I have had two that I know of that I
6 have been involved in their treatment that were
7 cured.

8 Q. Okay. And --

9 A. Not very many.

10 Q. How big were the tumors when they came to
11 you?

12 A. I don't recall.

13 Q. Well, had they undergone hemiglossectomies
14 already?

15 A. Most of the patients I have seen, obviously
16 as an oncologist, have already undergone some
17 treatment. The ones I'm referring to, yes, in fact
18 had had radiation therapy and surgery and I was
19 involved in their chemotherapy concurrently.

20 Q. Okay. And how long ago were those people --

21 A. Last four or five years.

22 Q. Would you consider Maddox an authoritative
23 source on tongue cancer?

24 MR. MARMAROS: Objection as to

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 authoritative. He has already answered it.

2 A. Yes. I would think he would be, yes.

3 MR. MARMAROS: Well, I'm going to
4 -- Doctor, did you hear the question about
5 authoritative? Because previously he asked you if you
6 considered any texts to be authoritative.

7 MR. KAMPINSKI: If you have an
8 objection, make your objection. Don't make a speech.
9 He heard me. I think we are doing fine.

10 MR. MARMAROS: I don't think he
11 did.

12 A. You asked me if he was an authority and I
13 said: Yes, he is an authority. There are a lot of
14 authorities of people or people thought to be
15 authorities.

16 Q. (BY MR. KAMPINSKI): You have read his
17 works?

18 A. I have read some. I'd have to go back and
19 look. I have read so much and so many authors I do
20 not, again, rely on any particular one.

21 Q. I take it, based on what you told me
22 earlier, that you do not believe that tumors have a
23 constant growth; correct?

24 A. I think they do not have a constant growth.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 I think it changes during the course of the cells of
2 the life history of that tumor.

3 Q. Okay. And that would be true, then, both as
4 to primary and metastatic tumors?

5 A. I would imagine, yes.

6 Q. Well, I mean, do you know?

7 A. Do I know?

8 Q. Yeah.

9 A. No, I don't know exactly, but --

10 Q. Sure. Because this is guess work.

11 MR. MARMAROS: Objection. What is
12 guess work?

13 Q. (BY MR. KAMPINSKI): As to whether or not
14 it's constant growth for either/or both?

15 A. We have to go on the observations we can
16 make on either primary or metastatic lesions.

17 Q. Yeah.

18 A. And on one patient they seem to be in --
19 whatever tumor we are talking about, they seem to form
20 a pattern. Biology is not an exact science and so we
21 will see variations from month to month and year to
22 year in anything in biology. So, there will be
23 changes.

24 Q. And each person would be different?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. Each person is different.

2 Q. Each tumor would be different?

3 A. Yes, but there are patterns. Fairly
4 reliable patterns.

5 Q. That doesn't help as to individuals?

6 A. It's a help to individuals. We have a
7 pattern that a tumor of a certain type will adhere
8 to. In other words, a squamous cell in the head and
9 neck is not going to behave like a lymphoma or a
10 breast cancer. It's going to be different.

11 Q. You believe that the tumor that was on Mr.
12 Yafanero's tongue that was observed by Dr. Landsman is
13 the same that was there in December of 1986 when Dr.
14 Alperin saw it; is that correct?

15 A. I believe it is.

16 Q. So, this theory that somehow it was removed
17 and a new one grew there, you don't subscribe to that
18 theory?

19 A. I don't.

20 Q. So, therefore, you believe that it was not
21 all removed?

22 A. I believe that.

23 Q. Okay. Do you believe that it was clinically
24 observable throughout the period of time from December

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 of 1986 through August of 1987?

2 A. I wasn't there to see it but I presume it
3 was.

4 Q. Have you read any of the depositions of the
5 family members, Mrs. Yafanero, her daughter, her
6 mother, or, have you been told what they have said --

7 A. No.

8 Q. -- as it relates to that?

9 A. No. I have not been told nor have I seen
10 them.

11 Q. Okay. Were you told what a psychologist
12 said about what Mr. Yafanero said, today?

13 A. No.

14 Q. Okay. Were you told about the testimony of
15 Dr. Bhaskar two days ago?

16 A. No.

17 Q. Obviously you haven't had a chance to see
18 his deposition because it wasn't written up, yet?

19 A. No.

20 Q. You were not advised of his findings?

21 A. No.

22 Q. He believes that the slides that he observed
23 that were recuts of the pathology done on December
24 12th, 1986, reflect carcinoma in situ. Were you told

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 that?

2 MR. BONEZZI: Objection.

3 MR. MURPHY: Objection. That's
4 not correct.

5 MR. MARMAROS: Objection. You may
6 answer.

7 MR. KAMPINSKI: Well, I mean, how
8 am I incorrect?

9 MR. MURPHY: He only looked at one
10 slide.

11 MR. KAMPINSKI: Oh. You're saying
12 I said slides instead of slide?

13 MR. MARMAROS: Why don't we get a
14 new question, then?

15 MR. KAMPINSKI: Sure.

16 MR. MURPHY: I don't know if it
17 was a recut or a cut. It was a slide is all I can
18 tell you.

19 MR. KAMPINSKI: A slide of the
20 pathology as it relates to the December 12th, 1986,
21 biopsy of Dr. Alperin. Can we agree with that?

22 MR. MURPHY: Yeah.

23 Q. (BY MR. KAMPINSKI): Okay. Fine. And that
24 he believes that that slide reflects the existence of

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 carcinoma in situ. You're not aware of that?

2 A. No.

3 Q. But that doesn't surprise you?

4 MR. MARMAROS: What do you mean by
5 surprise him?

6 Q. (BY MR. KAMPINSKI): In light of your belief
7 that there was, in fact, cancer present?

8 A. I'd have to see more of what he's saying.

9 Q. No. I understand you haven't seen it. I'm
10 asking you, though: Is that consistent with what
11 you're testifying to?

12 A. He may have had carcinoma in situ. I am
13 also saying he has this tumor that's been tracking
14 along. He may still -- there are many of these
15 patients that have multiple sites. I don't know what
16 Dr. -- what is his name?

17 MR. MARMAROS: Bhaskar.

18 A. Bhaskar is looking at or talking about. I'd
19 have to see it.

20 Q. (BY MR. KAMPINSKI): We are missing
21 communications. I don't mean that to be the case and
22 I don't mean to confuse the issue or confuse you at
23 all, Doctor.

24 Two days ago Dr. Bhaskar -- and I

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 know it's not in his report that I got. I got his
2 report for the first time. And he testified that he
3 had an opportunity to look at a slide that was part of
4 the specimen that was removed from the lesion on Mr.
5 Yafanero's tongue on December 12th, 1986?

6 A. Okay.

7 Q. All right. And that is contrary to the
8 pathologist's report that that slide reflects
9 carcinoma in situ as opposed to pseudoepitheliomatous
10 hyperplasia and reactive atypia, okay? That finding
11 is consistent, is it not, with your belief as to what
12 was present in Mr. Yafanero's tongue?

13 MR. BONEZZI: Objection.

14 MR. MARMAROS: Objection.

15 Which --

16 Q. (BY MR. KAMPINSKI): Of carcinoma in situ?

17 A. I don't know that I really understand what
18 you're asking me. I would have to know a lot more
19 about what he's looking at on his slides in calling
20 carcinoma or instead of leukoplakia.

21 Q. I understand that you haven't looked at it.
22 I'm asking you to assume that he is accurate in his
23 observations and what he believes they are.

24 A. It's not quite consistent with what I am

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 talking about because I think, in fact, this tumor
2 that I'm talking about may even predate that. We are
3 talking about a process that's been going on in this
4 man's head and neck area for some time. Now, they
5 biopsied an area in 1986, yes, and it had changes in
6 it. Whatever they are going to be, benign or
7 malignant or atypical, time will tell. They can argue
8 that and take a vote. But there was something going
9 on there. Now, what I am seeing, however, later on is
10 a cancer that has been growing for a period of time.

11 Q. And you're trying to extrapolate from the
12 cancer you see later on as to how long it had been
13 growing?

14 A. Yes.

15 Q. Okay. Now, carcinoma in situ is different
16 than a frank cancer, can we agree with that?

17 A. No. A frank cancer is simply a cancer that
18 has not yet gotten far enough to do other things.

19 Q. Okay. I misspoke. And those other things
20 being metastasized?

21 A. Yes.

22 Q. So, that the observation of carcinoma in
23 situ, if that were the only thing that were present in
24 December of 1986, would then be inconsistent with your

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 theory?

2 A. I think it would be inconsistent, yes.

3 Q. And it would be consistent, then, with the
4 lack of metastasis at that time; correct?

5 A. Yes.

6 Q. And it would then also be consistent with
7 the ability to cure an individual if it were all
8 removed?

9 A. Yes.

10 Q. All right. Now, in terms of doubling time,
11 how did you arrive -- or, did you arrive at a specific
12 numerical day for the doubling time for Mr. Yafanero's
13 tumor?

14 A. No, I did not. I have no way of doing
15 that.

16 Q. Well, I mean you --

17 A. I have not a measurement of the exact same
18 tumor over two measurements.

19 Q. Well, you do, don't you?

20 A. No, I do not.

21 Q. Well, you -- referring in your report,
22 Doctor -- I mean, I must confess I was -- excuse me.
23 Let me finish my question.

24 I must confess I was a bit

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 confused by it because you do refer to measurements in
2 there. And you might want to grab your report.

3 A. I remember the report.

4 Q. Okay. Page 2, paragraph one, do you
5 remember that?

6 A. I don't remember paragraphs.

7 Q. That's why I suggested that you look at your
8 report.

9 MR. MARMAROS: It may make things
10 go quicker.

11 Q. (BY MR. KAMPINSKI): Page 2, paragraph one.

12 A. This is not my report. Yes, it is.
13 Paragraph one. Okay.

14 Q. Sure. You state that the neck disease
15 discovered in August of '88 measured at least five
16 centimeters by Dr. Katz' report. And also at that
17 time there were x-ray measurements of one centimeter
18 lesions in the lung. The patient had measurements in
19 10-88 of six centimeter tumors within the lung?

20 A. Okay.

21 Q. Where did you get that from?

22 A. From the various -- now, that's a mistake.
23 The one -- the six centimeters was not in the lung.
24 That was in the neck. That's a mistake in my

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 dictation.

2 Q. Sure. You try to be careful, don't you, in
3 analyzing these --

4 A. I do.

5 Q. -- records and in giving opinions?

6 A. I do, but that is a tumor in the neck not in
7 the lung.

8 Q. Right.

9 A. Okay.

10 Q. Well, if you have got a five centimeter
11 tumor in August of '88, assuming that's right -- and,
12 by the way, where did you get that information?

13 A. It was in the records.

14 Q. It was in the records?

15 A. Chart.

16 Q. Do you know where?

17 A. I can find it.

18 Q. Why don't you do that?

19 A. Well, it will take awhile. Where are all
20 the records? Where is the other pile of records? I
21 had some folded over and some things --

22 Q. They are all -- they are all right there.

23 A. No, they are not all here, sir.

24 Q. Are these your folders?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 MR. MARMAROS: You might want to
2 look for Dr. Katz' file.

3 A. There is another file.

4 MR. KAMPINSKI: Did you have his
5 other file?

6 MR. BONEZZI: No. It's right
7 there.

8 A. That has the folder we were arguing about.
9 Okay. I would have to go through all of this and find
10 out where it is.

11 Q. (BY MR. KAMPINSKI): Well, let's assume --
12 look, I don't want to do this to you, Doctor.

13 A. Well, I can help you by telling you what I'm
14 talking about.

15 Q. Good.

16 A. Yeah. There is a six by six sontometer mass
17 in the neck.

18 Q. What is the date of that? October 14th?

19 A. October 19th. October 14th. Okay.

20 Q. All right. And when was the five -- what
21 was the other one? Five sontometers? Five by three?

22 A. I don't know that those are the same tumors,
23 sir.

24 Q. Oh. Well --

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. You're assuming they are the same tumors. I
2 am not.

3 Q. You are not. So, these measurements really
4 are of no assistance to us in trying to figure out
5 doubling times?

6 A. No, they are not.

7 Q. Let's assume they were, just for the sake of
8 argument. And if you had a five sontometer mass --
9 I'm sorry. When was it? August?

10 A. August.

11 Q. And a six sontometer mass in October, what
12 would be the doubling times?

13 MR. MARMAROS: Objection.

14 A. Well, that is not a doubling. That's 60
15 days I guess we are talking about. I would have to go
16 read the dates. And that is an increase in volume of
17 probably, oh, 50 percent in volume.

18 Q. (BY MR. KAMPINSKI): From five to six is an
19 increase in volume of 50 percent?

20 A. Yes. Approximately. It is not a doubling.

21 Q. Right.

22 A. And so, just on that simple calculation, the
23 doubling time, then, would be approximately 120 days.

24 Q. Okay. How about the previous measurements

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 of August 18th, 1988?

2 A. Of three and a half sontometers?

3 Q. No, sir. Of two sontometers.

4 A. Okay. Again, are we talking about the same
5 lesion?

6 Q. Yes, sir.

7 A. Okay.

8 Q. So, now we have got a two month period,
9 August 18th to October 15th, where it went from two
10 sontometers -- I'm sorry. August 18th -- wait a
11 second.

12 MR. BONEZZI: Was that '87?

13 MR. KAMPINSKI: No. August 13th
14 it was measured as five sontometers and August 18th it
15 was measured as two sontometers.

16 MR. MARMAROS: Where are you at?

17 A. You have got me.

18 Q. (BY MR. KAMPINSKI): Is there a measurement
19 of the neck of two sontometers on August 18th, sir, of
20 a neck node?

21 A. August --

22 Q. 18th.

23 A. There may be. As I recall, there was.

24 Q. And would it be more accurate if there was a

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 measurement by way of CT or x-ray rather than by way
2 of palpation?

3 A. Certainly. I don't have specific
4 measurements of the same tumor over two points in
5 time. At least I haven't been given them or haven't
6 had the opportunity to see them.

7 Q. So, as to Mr. Yafanero, then, there is
8 absolutely no way you can tell the doubling time of
9 the tumor?

10 A. I cannot tell you what his doubling time
11 is. I can tell you what doubling time of head and
12 neck carcinoma usually is.

13 Q. All right. Let me ask you the following
14 hypothetical, Doctor: If, in fact, we had a lesion on
15 the tongue of approximately the size of the end of a
16 pinkie. Okay?

17 A. One sontometer.

18 Q. Yeah. Let's say one sontometer. I think
19 that's probably a fair approximation. And let's say
20 that observations over the next one year period of
21 time remained the same. What would that tell you
22 about the theory of doubling time?

23 MR. MURPHY: Objection.

24 MR. MARMAROS: Same objection.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. If it's a tumor and it hasn't changed in a
2 year, then it's probably not a cancer, or somebody is
3 measuring it wrong. If it's a cancer and growing,
4 it's going to change in size over a year.

5 Q. (BY MR. KAMPINSKI): Are you assuming the
6 accuracy of the measurements of Dr. Landsman of the
7 tumor in August of 1987?

8 A. Am I assuming it?

9 Q. Yes.

10 A. What about it?

11 MR. MARMAROS: For what purposes?

12 Q. (BY MR. KAMPINSKI): For the accuracy of it,
13 for purposes of doubling time?

14 A. I'm not assuming the accuracy of his
15 measurements at all.

16 Q. Why not?

17 A. I have no reason to know whether he measured
18 it accurately or not.

19 Q. He put down, I believe, and correct me if
20 I'm wrong: Three by four?

21 MR. MARMAROS: Two by three.

22 Q. (BY MR. KAMPINSKI): Two by three. I knew
23 you would correct me.

24 A. Yes.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Dr. Katz measured the tumor in March of '88,
2 didn't he?

3 A. Yes.

4 Q. What was his measurement?

5 A. The measurement was three and a half
6 sontometers in March of '88.

7 Q. Well, if it was two by three by Dr.
8 Landsman's measurements in August and it was three
9 sontometers in March of '88, what would that tell you
10 about the doubling time?

11 MR. BONEZZI: Objection.

12 A. Well, it's gone through about one doubling
13 over a period of about five months.

14 Q. (BY MR. KAMPINSKI): You mean from two by
15 three to three is one doubling?

16 A. So, less than that.

17 MR. MARMAROS: It wasn't -- what
18 do you mean to three?

19 A. Three and a half sontometers. If you went
20 from two sontometers to three and a half or --

21 Q. (BY MR. KAMPINSKI): Yeah.

22 A. These are very rough measurements,
23 obviously.

24 Q. Sure.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. I don't rely on the accuracy of them. It's
2 approximately a doubling. The diameter isn't
3 doubling, the volume is doubling. Okay? This is
4 about -- what you're describing is about a doubling.
5 Okay. You're describing a doubling that took place
6 over five months.

7 Q. Seven months.

8 A. Seven months. You said October.

9 Q. I said August to March.

10 A. You said August. Okay. Then, seven
11 months. Then, you have a relatively slow growing
12 tumor which is very typical of these tumors. That
13 very fact tells you that this tumor is not doubling in
14 a few days or a few hours. It's behaving as head and
15 neck cancers are supposed to behave.

16 Q. Very slow growing?

17 A. Very slow growing. The tumors in his neck
18 and lung did not appear overnight nor did they appear
19 in the preceding six or seven months.

20 Q. Well, what day did they metastasize to his
21 neck?

22 A. What day?

23 Q. Yes, sir.

24 MR. MARMAROS: What day was it

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 discovered?

2 MR. KAMPINSKI: He heard me.

3 A. Nobody knows what day. What year? Several
4 years earlier.

5 Q. (BY MR. KAMPINSKI): What day did it
6 metastasize to his neck?

7 A. Who knows the day?

8 Q. Well, the fact of the matter is --

9 A. I'm talking, sir, that it is months and
10 years not days.

11 Q. The fact of the matter is there is nothing
12 clinically observable in his lung, either by way of
13 x-ray or any other fashion, until August of 1988 --

14 A. '88.

15 Q. -- isn't that true?

16 A. That's right.

17 Q. And there was nothing that was felt to be a
18 metastatic lesion to his neck until August of '88,
19 although, in retrospect it may have been there in
20 March of '88?

21 A. It certainly would have been.

22 Q. Your opinions are one thing. I'm talking
23 about observable facts.

24 A. My opinion is it certainly would have had to

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 have been there.

2 Q. I understand. I'm talking observable facts
3 not your theory.

4 A. Observable and facts are not necessarily the
5 same thing. Now, somebody may have observed it then
6 but that doesn't say what really it was doing then.

7 Q. Sure. Nor can you tell us because you
8 weren't there. You didn't see him.

9 A. That's right.

10 Q. You weren't able to observe or did anybody
11 else observe it at that time and that makes it
12 theoretical; correct?

13 A. Theoretical? I don't know. I don't like
14 the word theoretical.

15 Q. I know, but that's what it is.

16 A. It's not theoretical in my opinion.

17 Q. When you measure a tumor by volume are all
18 of the cells that you're measuring doubling?

19 A. No.

20 Q. Because some of them are dying?

21 A. Yes. And being replaced.

22 Q. And some of them are not in a growth phase?

23 A. That's right.

24 Q. Some of them are being shed?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. That's right.

2 Q. Some of them don't have the ability to
3 metastasize?

4 A. Truly. We are talking two things.

5 Q. I didn't ask you a question, yet, sir.

6 MR. MARMAROS: You don't want him
7 to explain it to you?

8 Q. (BY MR. KAMPINSKI): I understand. I mean,
9 you're agreeing so far with what I have said?

10 A. I'm agreeing with what you're saying, yes.

11 Q. Okay. And if you measure, Doctor, at points
12 and time when somebody is receiving treatment, doesn't
13 that effect your theory?

14 A. Yes. It slows it down.

15 Q. All right. So, if you measure when somebody
16 is getting radiation or chemo, that potentially
17 effects this entire theoretical observation; right?

18 A. Yes.

19 Q. And if you're measuring a primary tumor, for
20 example, at a number of points in time, that still
21 does not tell you when cells metastasize from that
22 primary tumor, does it?

23 A. No.

24 Q. Okay. So, even if a primary tumor is

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 doubling, that doesn't necessarily equate to a certain
2 point and time where there is metastasis; correct?

3 A. No. You can only extrapolate.

4 Q. Right. So, what you're doing, you're not
5 going from the size of the primary tumor but rather
6 from the existence of metastatic tumors and
7 extrapolating back?

8 A. Exactly.

9 Q. Saying they are a certain size, therefore,
10 they must have been there at an earlier time?

11 A. I am extrapolating back from metastatic
12 disease, yes.

13 Q. You would agree, would you not, many people,
14 even who subscribe to the doubling time theory, who
15 believe that metastatic disease grows much quicker
16 than the primary disease?

17 A. Yes. I know that. There are many
18 controversies in this area.

19 Q. Right. That's why it's a theory.

20 MR. MARMAROS: Objection.

21 Q. (BY MR. KAMPINSKI): Right?

22 A. If you wish.

23 Q. And in terms of measuring, when you measure
24 any given tumor at two points in time, for the

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 doubling time to have any validity at all, you have
2 got to catch it in its potential growth phase, don't
3 you?

4 MR. MARMAROS: Objection.

5 A. No.

6 Q. (BY MR. KAMPINSKI): Well, I mean, if you --

7 A. You're talking cells. I'm talking tumors.

8 Q. You're talking volume?

9 A. I'm talking a tumor and you're talking
10 individual cells.

11 Q. No. I think if we are talking about its
12 potential growth phase, you're talking about tumor as
13 opposed to --

14 A. Okay.

15 Q. We are both talking about the same thing.
16 Don't you have to catch it while it's growing to get a
17 valid measurement?

18 MR. MARMAROS: Objection.

19 A. Well, you have to catch change, yes.

20 Q. (BY MR. KAMPINSKI): Right. So that if it's
21 not growing and you're measuring it, then, that
22 doesn't -- that will skew your measurement as to
23 doubling time?

24 A. Yes.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Which is why you can't use average numbers
2 for any given tumor?

3 A. You have to use average numbers, however.

4 Q. Well, no, you don't have to use average
5 numbers at all, do you, because you may be right and
6 you may be wrong?

7 MR. MARMAROS: Is that a
8 question?

9 Q. (BY MR. KAMPINSKI): Yeah. Isn't that
10 right?

11 MR. MARMAROS: Don't answer that.
12 You're asking him about whether he may be right or he
13 may be wrong?

14 MR. KAMPINSKI: Yeah.

15 MR. MARMAROS: You want him to
16 tell you that he is wrong?

17 MR. KAMPINSKI: Well, that would
18 shorten things up.

19 MR. MARMAROS: Come on. That's
20 your question?

21 Q. (BY MR. KAMPINSKI): Did you understand my
22 question, sir?

23 A. No.

24 Q. By using average doubling time, that doesn't

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 tell you anything necessarily about an individual
2 tumor, does it?

3 A. It doesn't precisely tell you about an
4 individual tumor, no.

5 Q. Do you assume that a tumor grows from day
6 one? In other words, when one cell is shed into
7 either the blood stream or the lymph system, that it
8 commences growing from that first day?

9 A. We don't know.

10 Q. Do you assume that a tumor grows from one
11 cell or a colony of cells?

12 A. We don't know.

13 Q. Well, if --

14 A. Most people doing research in this area feel
15 it's a colony, a cluster of several cells.

16 Q. That breaks off from the primary somehow and
17 spreads to some other portion of the body?

18 A. Small enough to get through a blood vessel
19 but not too big to occlude it and such, yes.

20 Q. Okay. So, that's --

21 A. Probably a half a dozen cells.

22 Q. Well, it could be millions of them, couldn't
23 it?

24 A. No.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Couldn't it?

2 A. No.

3 Q. That would be too big?

4 A. Too big.

5 Q. Okay. And there are natural defense
6 systems, are there not, that would prevent even
7 metastatic cells from necessarily growing?

8 A. There are probably millions that die off in
9 the process.

10 Q. Sure. So, even if these clusters are being
11 thrown off and get through the various layers that
12 they have to get through, that doesn't necessarily
13 result in the spread of the cancer to other parts of
14 the body?

15 A. Well, spread to other parts of the body.
16 They do not necessarily grow.

17 Q. I'm sorry. You're right. So, even though
18 they might spread, they might -- there might not be
19 metastatic disease?

20 A. True.

21 Q. Would you agree that you can have doubling
22 times of tumors as little as 11 days?

23 A. In vitro, certainly. In vivo, we don't
24 know.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 Q. Okay. So, under research mediums, that's
2 true. You just don't know in a human being?

3 A. That's right.

4 Q. But if you're extrapolating from research,
5 that would lead you to conclude that that can be true?

6 A. There is a point in time when we know it's
7 as short as ten or 11 days or depending on which tumor
8 you're looking at, and at some point and time it is
9 now established as a much different doubling time.
10 So, between Point A and Point B the process is slowed
11 down. Slowed down drastically. It's gone from a few
12 days to weeks and months. Now, we have at this point
13 and time, now, the opportunity to observe a fairly
14 steady state of growth. From the earliest
15 observations, again, of a millimeter and beyond. And
16 we follow these tumors up to very bulky tumors and we
17 see at that point a fairly constant growth rate.

18 Q. So, you're talking, once again, about
19 clinical tumors?

20 A. Yes. So, we extrapolate back from that to
21 the pre-clinical.

22 Q. All right. But there is no scientific basis
23 of doing so? In other words, it has not been proven
24 scientifically?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. It cannot be proven one way or the other.

2 Q. So, for example, in formulating this theory,
3 it is theoretically possible, for example, for you to
4 have a growth phase of a non-clinical tumor with
5 doubling times of five days?

6 A. Presumably.

7 Q. Sure. And then that could change once it
8 becomes clinical and you, as a physician, just don't
9 know?

10 A. That's right.

11 Q. Would you agree, Doctor, that leukoplasia is
12 an indication for biopsy and appropriate local
13 therapy?

14 A. Yes. Leukoplakia.

15 Q. Yes. I'm sorry. What did I say?

16 A. Leukoplasia.

17 Q. Okay. Leukoplakia. And what is
18 leukoplakia?

19 A. It is a change that is taking place in the
20 epithelium that's a plaque-like appearance of the
21 epithelium of the lip or the oral cavity.

22 Q. Do you have any opinions as to the standard
23 of care of any of the physicians involved in this
24 case?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. No. I did not address that issue.

2 Q. But you would agree, would you not, that a
3 physician faced with a clinically apparent growth has
4 an obligation to biopsy and follow that patient and
5 not release him and tell him there is nothing to worry
6 about --

7 MR. MARMAROS: Objection.

8 Q. (BY MR. KAMPINSKI): -- wouldn't you?

9 A. I would simply agree that he has an
10 obligation to see the patient is cared for. He may
11 not do it himself.

12 Q. You're suggesting that either a referral or
13 if it's within his specialty, then, he would have to
14 do it?

15 A. Yes.

16 Q. And when you say cared for, you're talking
17 about either biopsying it, removing it, treating it
18 somehow, but not just allowing that patient to leave
19 his care?

20 A. Yes.

21 Q. Okay. And the failure to care for it in
22 that fashion would be a failure to adhere to the
23 appropriate standard of care required of that
24 physician, would it not?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. Depending on the circumstances, I agree,
2 yes. There are extenuating circumstances where the
3 patient is non-compliant, whatever. Who knows?

4 Q. Sure. Who knows?

5 A. For the most part.

6 Q. And your patients rely on you as a
7 physician, don't they?

8 A. I hope so.

9 MR. MARMAROS: Objection.

10 Q. (BY MR. KAMPINSKI): Right. When you tell
11 them there is nothing to worry about, you would hope
12 that they listen to you, wouldn't you?

13 A. Most of the time, yes.

14 Q. There would be nothing wrong with them doing
15 that, would there, because that's why they come to you
16 as a skilled practitioner?

17 A. Yes.

18 Q. Is it your opinion that cancer that is
19 clinically observable as a lesion on the tongue is not
20 curable?

21 A. No, I wouldn't say that.

22 Q. All right. So -- and I apologize. I don't
23 really mean to be repetitive but let me just finish
24 this thought process.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 You don't decide to treat or not
2 to treat somebody based upon this theory of doubling
3 time?

4 A. Absolutely not.

5 Q. And you treat them based upon staging;
6 correct?

7 A. Yes. But you must understand staging, that
8 staging also isn't an exact science. We stage what we
9 can see.

10 Q. Right.

11 A. And then we wait and find out what the stage
12 really is. Many of the patients that I have treated
13 with head and neck cancer never get lung metastasis.
14 And so in those situations I'd say that their staging
15 possibly was accurate and it was a true reflection.
16 The ones who end up with liver or lung or bone
17 metastases two or three years later, their staging was
18 only an approximation staging and it wasn't accurate.
19 Staging has inherent limitations.

20 Q. And from what you told me so does doubling
21 time; correct?

22 A. How do you mean?

23 Q. Well, I mean by virtue of the fact that
24 those who you have treated, obviously they have been

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 clinically apparent, sufficient to have referral to an
2 oncologist; correct?

3 A. You have lost me.

4 Q. Well, I mean, they don't come to you unless
5 it has already been clinically observed, these head
6 and neck cancer patients, right? They come to you for
7 chemo or radiation?

8 A. I frequently see them in the initial team
9 approach with the radiation therapy and the surgeon as
10 the oncologist.

11 Q. Sure. So, it's already been clinically
12 apparent; correct?

13 A. It's been diagnosed for the most part, yes.

14 Q. Maybe we are saying the same thing.

15 A. I see these patients frequently at the
16 initial diagnosis.

17 Q. All right. If we used your doubling time
18 theory, Doctor, those people would have had metastases
19 already; correct?

20 A. I didn't say that. You're saying that. I
21 am not saying that.

22 Q. Well, isn't that true --

23 A. No.

24 Q. -- based on your theory?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. No. Doubling time does not tell me whether
2 the patient has metastases or not. I am saying a
3 patient who shows up with this metastases at some
4 point and time the size and distribution of those
5 metastases then tells you something about this
6 patient's doubling time. It's common sense if I
7 remove a cancer and I think it's all gone and two
8 years later lung metastases are there or six months
9 later, then, it wasn't gone when I removed it. I left
10 some behind. There was a tumor in the cell, either --
11 I mean, there was a tumor in the lung at the time I
12 was treating the primary.

13 Q. If the node in the neck was five centimeters
14 in August as you set forth in your report --

15 A. Yes.

16 Q. -- how big was it in March when Dr. Katz saw
17 Mr. Yafanero?

18 A. I don't know. Didn't measure it.

19 Q. Well, how big was it according to him?

20 A. The tumor that was measured in March of '88
21 I believe is when you're talking about?

22 Q. Yes, sir.

23 A. Was three and a half centimeters.

24 Q. No, sir. I'm talking about the neck

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 metastases, which is what you're extrapolating back to
2 say that the tumor was there for many years.

3 A. It wasn't measured.

4 Q. Well, did you go through these records
5 fairly carefully?

6 A. Yes, I went through them very carefully.

7 Q. Was there a measurement of one sontometer in
8 the neck in March?

9 A. There was a measurement. I don't know if we
10 are talking about the same lesion.

11 Q. Well, how many lesions did he have in the
12 neck between March and August?

13 A. Well, I think there were at least three in
14 there that are being referred to at different times.

15 Q. In August there were three or was there one?

16 A. No. No. In August there was one.

17 Q. Right. And how many were there in March?

18 MR. MARMAROS: Let's look at Katz'
19 records, again, I guess.

20 A. There is a measurement of one in March. How
21 many were there in March? I don't know.

22 Q. (BY MR. KAMPINSKI): Well, how big was the
23 one in March?

24 A. Three and a half sontometer lesion. That is

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 the one that I referred to earlier. Is that the one
2 you're talking about?

3 Q. In March. No, sir. In the neck. In the
4 neck. That's the fourth time I said it.

5 A. I'd have to go --

6 MR. MARMAROS: Do you have the
7 record handy to save time, the one you're referring
8 to?

9 A. I would have to find out what you're
10 referring to.

11 MR. MARMAROS: I think it's
12 possibly in Katz' or in radiation therapy.

13 MR. KAMPINSKI: He is the one that
14 reviewed the records.

15 MR. MARMAROS: You're the one
16 that's got to make the plane.

17 MR. KAMPINSKI: I'm in no hurry.
18 I'll catch a plane tomorrow.

19 MR. MARMAROS: Okay. Fine. Let's
20 go to radiation therapy.

21 You're saying the records reflect
22 that there was a node in the neck in March?

23 MR. KAMPINSKI: I don't know.

24 MR. MARMAROS: Well, I don't

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 remember seeing that.

2 Q. (BY MR. KAMPINSKI): Is it your testimony
3 that there was no node in the neck in March of 1988,
4 Doctor, from your careful review of the records? I
5 mean, it's not set forth in your report.

6 A. I do not recall a node being measured in the
7 neck in March of '88.

8 Q. Okay. When was the first time that there
9 was any measurement of nodes in the neck, since that's
10 what you're using?

11 A. In August, I believe, of '88.

12 Q. Okay. So that we don't have any measurement
13 before that that we can compare it to for purposes of
14 determining doubling times?

15 A. That's what I said half an hour ago.

16 Q. I just like to be thorough, sir.

17 A. Yeah.

18 Q. In your report of December 27th, 1990, do
19 you have that in front of you, sir?

20 A. It's around here somewhere.

21 Q. Yeah.

22 A. Yes, sir.

23 Q. In the last paragraph on the first page, do
24 you see that?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 A. Yes.

2 Q. You say that the patient had neck metastatic
3 disease in April of '88. Is that also a mistake?

4 MR. MARMAROS: Objection.

5 A. What I'm referring to there is that because
6 he later had metastatic disease in the neck that he
7 had metastatic disease at that time.

8 Q. (BY MR. KAMPINSKI): So, this was by your
9 process of applying your theory logically?

10 A. My process of reasoning.

11 Q. Okay. Did you send Mr. Marmaros various
12 publications as it related to doubling time?

13 A. Yes.

14 Q. What did you send him?

15 A. I don't -- I'd have to go find out what I
16 sent him.

17 Q. Where would you have to go to find that out?

18 A. From him.

19 Q. Well, why don't you find out?

20 MR. MARMAROS: Pardon me?

21 A. What did I send you whenever I sent it to
22 you?

23 MR. MARMAROS: We have been
24 through this. I asked for some information on

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI EXAMINING

1 doubling times for some education purposes. I mean, I
2 don't have the articles with me.

3 Q. (BY MR. KAMPINSKI): I want to know what you
4 sent him.

5 A. Ask him.

6 Q. I'm asking you. You're the deponent.

7 A. I don't have them here before me.

8 Q. Those are in the library, too?

9 A. Yes. I took articles out of my stack of
10 articles and made copies of them.

11 MR. KAMPINSKI: That's all I have.
12 Anybody else?

13 MR. BONEZZI: Um-hmm.

14 Pat, do you have questions?

15 MR. KAMPINSKI: Do you have any?

16 MR. MURPHY: I do. I'm waiting.
17 When you're ready.

18 A. Okay.

19 EXAMINATION BY MR. MURPHY:

20 Q. Okay. Dr. Burrows, if a patient has a
21 lesion on his tongue, the lesion is biopsied, reported
22 back as pseudoepitheliomatous hyperplasia with some
23 reactive atypia. And assume there are inflammatory
24 changes at the margin of the biopsy site. The patient

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY EXAMINING

1 is then followed over a period of approximately five
2 months by the clinician who did the biopsy, who
3 clinically makes a judgment, comes to a determination
4 at the time that the site of the biopsy has healed.
5 There have been no recurrences, no breakdown. Tells
6 the patient just that. That we had this diagnosis of
7 a benign lesion. I followed you. Now, I see no
8 recurrence, no breakdown. Indicates: There is
9 nothing more that I can do for you at this point and
10 time. But tells the patient: If anything recurs, if
11 you have any additional problems with this tongue of
12 any nature, pick up the phone and call me or come back
13 and see me.

14 As medicine is practiced in this
15 country, do you believe an adult patient would have an
16 obligation to get back with that physician if there is
17 any change in the status of his tongue.

18 MR. KAMPINSKI: Objection.

19 A. I would certainly think so.

20 Q. (BY MR. MURPHY): You made a comment or an
21 answer in response to one of Mr. Kampinski's questions
22 that it is your opinion that the malignant tumor found
23 in March of '88 was present in some form back in 1986
24 at the time of the initial biopsy and probably

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY EXAMINING

1 predated that by several years, I believe was your
2 opinion?

3 A. Yes.

4 MR. KAMPINSKI: I'm sorry. Could
5 you read that back?

6 (Whereupon the requested
7 portion of the record was
8 read by the reporter.)

9 Q. (BY MR. MURPHY): We know from the pathology
10 records that in March of '88 it was diagnosed as a
11 poorly differentiated squamous cell carcinoma I
12 believe?

13 A. Yes.

14 Q. My question to you, is: Do you have an
15 opinion as to what form that tumor was in or what
16 histological classification that tumor was in, in
17 December of 1986?

18 A. Well, I am presuming that this was still a
19 squamous cell carcinoma in '86. The tumor that was
20 found and diagnosed in '88, I think, is the same tumor
21 that is tracked back.

22 Q. Let me ask a dumb question, perhaps: When
23 one speaks of carcinoma in situ --

24 A. Yes.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY EXAMINING

1 Q. -- in a tongue, let's talk about that
2 generally. You said before, that's still frank
3 malignancy but it's the location where it is and its
4 potential that gives it the classification for in
5 situ, I believe?

6 A. Yes.

7 Q. Can carcinoma in situ be squamous cell
8 carcinoma or by definition are they at odds with one
9 another?

10 A. No. It is a squamous cell carcinoma.

11 Q. Okay.

12 A. I mean, in this situation in the mouth at
13 this location the squamous cell is -- well, it could
14 be -- I'm sorry. Let me -- it could also be
15 adenocarcinoma in this location. Rarely you'll have a
16 glandular component in situ. But for the most part,
17 yes, it's going to be a squamous cell carcinoma that
18 is in the process of evolving.

19 Q. Can you give me your definition, if you
20 will, of a squamous cell or -- strike that.

21 Of a carcinoma in situ on the
22 tongue? What does that mean, to call it a carcinoma
23 in situ?

24 A. It is simply a carcinoma that has yet to

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY EXAMINING

1 invade the basement membrane and is still in the
2 epithelium where it originated.

3 Q. You also responded to Mr. Kampinski that you
4 presume the tumor was clinically observable between
5 December of 1986 and August of 1987. Is the basis for
6 that presumption of yours your doubling time concept
7 that we have been talking about, here, today?

8 A. Yes.

9 MR. MARMAROS: Objection to
10 concept, as well, but go ahead.

11 Q. (BY MR. MURPHY): Let me re-ask the
12 question.

13 You have stated that you presume
14 the tumor was clinically present between December of
15 '86 -- strike that.

16 You have stated that you presumed
17 the tumor was clinically observable between December
18 of 1986 and August of 1987. Your opinion for it being
19 clinically observable during that time frame is based
20 upon your opinions of doubling time for head and neck
21 cancer?

22 A. Yes.

23 Q. It is not based upon, obviously, any
24 clinical observations that you made, to state the

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY EXAMINING

1 obvious --

2 A. No.

3 Q. -- is that correct?

4 A. No.

5 Q. It is not based upon any of the records or
6 the deposition testimony that you have reviewed?

7 A. No.

8 Q. What do you understand what the term field
9 cancerization means?

10 MR. BONEZZI: F I E L D.

11 A. It's a new term to me.

12 Q. (BY MR. MURPHY): Do I understand that even
13 within the concept of doubling time -- I can't think
14 of a better term.

15 A. Okay.

16 Q. Within the concept of doubling time, that at
17 any given point and time within your parameters, the
18 growth rate will not be constant? Sometimes it will
19 be growing faster and sometimes it will be growing
20 slower?

21 A. It has been seen to do so and presumed to do
22 so.

23 Q. When you testified about staging before, did
24 you say that you use a staging principle to plan

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY EXAMINING

1 treatment for patients, in part, that's one --

2 A. Yes.

3 Q. Is it used, as well, to prognosticate for
4 patients?

5 A. Yes.

6 Q. Do you use tumor grading at all for either
7 treatment plans or for prognostic purposes?

8 A. Yes, for both.

9 Q. Are you familiar with a descriptive term for
10 histologic grading of anaplasty?

11 A. Yes.

12 Q. What does that mean to you?

13 A. It's a situation where the cells are what
14 you would refer to as bizarre or in a misshapen form
15 and they have less resemblance to normal tissue. It's
16 a poorly differentiated type of cancer and it mixes
17 with the surrounding stroma. It's a term used by
18 pathologists, a descriptive term, of a pattern of
19 growth and a type of cell.

20 Q. An anaplastic tumor would carry with it a
21 graver prognosis than a well-differentiated tumor?

22 A. Usually.

23 Q. From your report, going back -- I'm going to
24 have to repeat some of this, unfortunately. But, from

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY EXAMINING

1 your report of a five sontometer lesion found in the
2 neck in August of '88, you expressed the opinion that
3 it would take at least anywhere from two to five years
4 to develop; is that correct?

5 A. Yes.

6 Q. If we go back two to five years from that
7 point and time, then, in your opinion, that tumor in
8 the neck, that node would have been present at least
9 since August of '83 until August of '86, in that
10 range?

11 A. In that range.

12 Q. It's fair to say, is it not, that you do not
13 know what Mr. Yafanero's tongue looked like on May 2,
14 1987, when he last saw Dr. Alperin?

15 A. I do not know what it looked like.

16 MR. MURPHY: That's all I have.

17 EXAMINATION BY MR. BONEZZI:

18 Q. Dr. Burrows, my name is Bonezzi. I happen
19 to represent Dr. Simms. You have indicated to Mr.
20 Murphy that you are not familiar with the term field
21 cancerization?

22 A. No.

23 Q. That is correct?

24 A. I am not familiar with the term.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 Q. Even though you might not be familiar with
2 the term, have you ever seen it in any reported
3 literature as same relates to oral carcinomas and
4 specifically carcinomas of the tongue?

5 A. I don't recall seeing it, no.

6 Q. Okay. Are you familiar with the progression
7 or growth rates pertaining to an in situ lesion and
8 how long it takes to progress to a frank carcinoma or
9 that which is invading the basement membrane?

10 A. Nobody knows that actually.

11 Q. Pardon?

12 A. That is an unknown quantity. We don't know
13 how long. We don't know how long these processes take
14 to go from in situ to invasive.

15 Q. Is the -- strike that.

16 Have you read any articles, not
17 for this case but in general, pertaining to growth
18 rates of in situ lesions of the breast versus the
19 tongue?

20 A. Yes.

21 Q. And whether or not the growth rates are
22 similar or dissimilar?

23 A. I wouldn't equate it to breast. We see this
24 in the cervix a great deal where it is quite similar,

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 where it begins as squamous cell and where, in fact,
2 they have tracked these. And this process of in situ
3 is also found in the bladder, incidentally, and will
4 be followed for as long as five or ten years with in
5 situ lesions before they become invasive. I treat a
6 lot of bladder cancers for in situ bladder cancer and
7 I will treat them off and on for five years. Same
8 thing with carcinoma of the cervix. Again, they say
9 specifically for the tongue, the similarity is the
10 same squamous tissue but different locations. I think
11 it's a general question.

12 Q. It is.

13 A. And therefore the general answer is that it
14 can be a delayed, prolonged, protracted process, but
15 you cannot specifically say how long it's going to
16 take in any one patient.

17 MR. KAMPINSKI: What was that
18 question? From in situ to invasive?

19 A. Yes.

20 MR. BONEZZI: Correct.

21 MR. KAMPINSKI: I apologize for
22 interrupting.

23 Q. (BY MR. BONEZZI): Would you also take into
24 consideration the host response of an individual in

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 the determination of growth rates?

2 A. We would take it into consideration. Again,
3 we can't quantitate it.

4 Q. Okay. Do you conform to the metastatic
5 theory that metastasis occurs as a result of
6 hemogenous transmission or do you accept lymphatic
7 transmission or do you accept both?

8 A. It's absolutely both.

9 Q. Now, what is the significance of a finding
10 of leukoplakia of the tongue?

11 A. Most people, most pathologists, most
12 clinicians consider it a pre-cancer lesion. It's an
13 area that is going to evolve into a cancer. It's
14 injury of the tissue and response to injury and
15 chronic injury. It is seen, for instance, in pipe and
16 cigar smokers, mostly; people with poor oral hygiene;
17 alcoholics, for the most part. It's usually a sign of
18 chronic irritation that is evolving into a cancerous
19 lesion.

20 Q. Doctor, what is your definition of an acute
21 process involving leukoplakia?

22 A. An acute process?

23 Q. Um-hmm. In other words, what are the
24 parameters of time, by definition, of an acute

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 leukoplakia? And you can use chronic leukoplakia as a
2 baseline, if you will?

3 A. Well, I think if I understand your question,
4 what might be a more acute cause of leukoplakia.

5 Q. Not cause. I'm not concerned with cause,
6 yet. I'm only concerned with what the definition,
7 from a time parameter, is, relative to an acute
8 leukoplakia. In other words, how long does it exist
9 to qualify for acute?

10 A. I can't give you a time on that. I'm sorry.

11 Q. Okay. How about chronic?

12 A. Again, I cannot give you a time. Chronic,
13 as I have said earlier, these lesions can be seen for
14 years.

15 Q. Are you familiar with whether or not an
16 individual is at higher risk for malignancy where that
17 individual has an acute leukoplakia?

18 A. To my knowledge, yes. They are at higher
19 risk. It is -- again, it is a more rapidly evolving
20 process, more inflammation involved in acute
21 leukoplakia.

22 Q. Now, it is your belief, is it not, that
23 there were not two separate distinct lesions involved
24 or involving Mr. Yafanero's tongue?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 A. I don't know that. What I'm suggesting is
2 that the location that I'm talking about and the one
3 I'm following is the lesion that goes back a ways.
4 Now, whether or not there was a separate in situ
5 lesion or a separate leukoplakiac area which we can
6 frequently see, we follow several areas of pathology
7 in the same person, I cannot address that. I didn't
8 have an opportunity to observe him. I am simply
9 discussing, in my opinion, a lesion that I'm aware
10 of. Whether there was a second separate lesion or
11 not, I don't know.

12 Q. Do you know whether or not the ulceration
13 that was removed by biopsy in December of 1986
14 represented the same area in which the leukoplakia was
15 observed in 1987?

16 MR. KAMPINSKI: I am going to
17 object to the suggestion that the area was removed in
18 December of '86.

19 Q. (BY MR. BONEZZI): You may answer, Doctor.

20 A. I don't know that as a matter of fact. I
21 can only surmise that they were in approximate areas.

22 Q. Okay.

23 MR. KAMPINSKI: Approximate?

24 A. They were in adjacent areas, if you wish.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 Q. (BY MR. BONEZZI): They were contiguous?

2 A. I don't know if they were necessarily
3 contiguous. They were described in the same location.

4 Q. Okay. Are you familiar with the modality of
5 treatment for an in situ carcinoma of the tongue that
6 is less than one centimeter in its greatest dimension?

7 A. I think that's very much dependent upon the
8 surgeon's experience. For most people it's going to
9 be a wide excision, leaving a certain margin. That
10 margin has to be determined, in part, by the location
11 and what you have to deal with. But I think most oral
12 surgeons are going to leave a centimeter margin around
13 an area. But, again, I think that has to be dealt
14 with by the oral surgeon at that time. They prefer to
15 leave a distinct margin but they can't always because
16 of location.

17 Q. When you speak of margin, are you referring
18 to what is called a clear or clean margin?

19 A. Yes.

20 Q. Would you agree with me that when one speaks
21 of -- and I am speaking of one being a physician,
22 speaks of clear or clean margin that they are
23 referring specifically to the fact that the margin is
24 clear or clean of a malignancy and not necessarily to

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 atypical cells or inflammatory cells?

2 MR. KAMPINSKI: Objection.

3 Q. (BY MR. BONEZZI): You may answer, Doctor.

4 A. The pathologist, I think, has to state what
5 he is describing. If he is describing it clear of
6 cancer or clear of whatever. A clear margin is clear
7 of what? I don't know what he is describing. I have
8 to know in his preceding description what he is
9 talking about. If he is talking about malignant cells
10 and then says the margin is clear, I'm assuming that
11 margin is clear of malignant cells. If he is speaking
12 of atypia, I presume he is speaking of it being clear
13 of atypia. If he doesn't so state, then, I have to
14 make an assumption on what he is implying.

15 Q. You read and reviewed Dr. Meckler's records,
16 did you not?

17 A. I did read them, yes.

18 Q. And Dr. Landsman and Dr. Alperin and Dr.
19 Simms?

20 A. Yes.

21 Q. Prior to Dr. Landsman's finding, did you
22 review anything in Dr. Alperin's or Dr. Meckler's
23 records as to leukoplakia?

24 A. I'm not sure who they were.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI EXAMINING

1 Q. The dentists who saw Mr. Yafanero prior to
2 referring him to Dr. Alperin in December of 1986?

3 A. I would have to go back and refresh my
4 memory on who was describing leukoplakia.

5 Q. Do you recall, as you sit here, reviewing
6 Dr. Alperin's records specifically such that you are
7 able to recall whether or not leukoplakia was
8 mentioned?

9 A. I cannot specifically say so. I'd have to
10 go back and review it to tell you that.

11 Q. Are you aware of whether or not there is
12 more than one record that describes leukoplakia? And
13 what I mean by more than one record, I'm speaking of a
14 record generated by a physician?

15 A. I cannot answer that right now.

16 Q. Have you had the opportunity to review the
17 slides that were generated relative to the biopsy
18 taken on December 12th, 1986?

19 A. No.

20 MR. BONEZZI: Okay. That's all.
21 Thank you, sir.

22 MR. KAMPINSKI: Just a couple
23 follow-ups, Doctor, and I'll get out of your hair.

24 REEXAMINATION BY MR. KAMPINSKI:

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 Q. There is no evidence, is there, from
2 anything you have reviewed or anything that you have
3 seen in this case of anything other than one
4 continuous lesion that was observed in December of
5 '86, seen again by Dr. Landsman in August of '86 and
6 then ultimately seen in March of -- I'm sorry. '87.
7 August of '87. And then ultimately seen in March of
8 '88 by Dr. Katz. Would that be a fair statement?

9 A. I think so. That's a fair statement.

10 Q. Okay. So, there is no evidence of any
11 second lesion on the tongue, is there?

12 A. No.

13 Q. Do you, yourself, do biopsies, Doctor?

14 A. Rarely.

15 Q. Okay. I take it, and you mentioned before,
16 that you did pathology as a student or resident?

17 A. No. No. In my Fellowships I did animal
18 research in pathology.

19 Q. I see. Do you have occasion to submit
20 samples to pathologists?

21 A. Frequently.

22 Q. And do you instruct them, when you do that,
23 what it is you want them to look for? For example,
24 would a typical instruction be: Rule out dysplasia,

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 carcinoma in situ, et cetera?

2 A. Normally I wouldn't. They would tell me
3 off. I tell them what I am seeing and what I have
4 done and let them tell me what is there. I don't tell
5 them what I want them to see.

6 Q. Well, no. I didn't mean to suggest that you
7 tell them what you want them to see. But what I was
8 suggesting, was: If the clinician suggests that he
9 wants something ruled out, is it then the obligation
10 of the pathologist to rule out those entities that the
11 clinician has requested him to rule out?

12 I'm not suggesting that's all he
13 should do, you know. If he finds something else,
14 obviously, he would, you know, presumably tell about
15 it. But if he is given that job, isn't that his
16 function and purpose to follow the clinician's
17 requests?

18 A. Well, it's his function to follow the
19 clinician's request but it's very generic. A
20 pathologist has a specific job. He has to look at
21 tissue and, in my opinion, describe what he sees on
22 it.

23 Q. If he comes back, let's say the request is
24 to rule out dysplasia and carcinoma in situ, et cetera

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 -- and et cetera obviously could be a number of
2 things. If he comes back and says that he has ruled
3 that out but then describes other findings, can you,
4 as a clinician, rely on him in terms of his having
5 viewed the entire sample that you sent him?

6 A. I have to rely on him.

7 Q. And, in fact, you do?

8 A. He's it.

9 Q. Right. And you assume that if there is
10 something on that slide, that sample that you sent
11 him, he's going to see it?

12 A. I hope he does.

13 MR. KAMPINSKI: Okay. That's all.

14 MR. BONEZZI: Just a couple more.

15 REEXAMINATION BY MR. BONEZZI:

16 Q. Doctor, in squamous cell carcinoma of the
17 tongue, can you tell me whether or not the growth of
18 the lesion in question on the tongue grows laterally
19 or does it grow both laterally and in depth?

20 A. It can grow either way. There is no
21 absolute on that. It may grow in whatever planes. In
22 whatever location it is, it's going to follow certain
23 pathways as it begins to invade. And so there is no
24 answer to that question. It can go whatever way it

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI REEXAMINING

1 wants. It can go hemogenously, lymphatically, along
2 planes. There are variations. It's not one
3 prescribed pattern of growth for all squamous cell
4 cancers of the tongue.

5 Q. So, in other words, depending upon the plane
6 that the growth occurs on will basically dictate
7 whether or not the growth progression or movement will
8 be lateral or by way of depth or both?

9 A. In part, yes.

10 Q. Okay. Now, let me ask you the same question
11 and refer it specifically to CIS. Is the growth rate
12 the same?

13 A. A CIS must start by invading. To become
14 more than a CIS it must invade through the basement
15 membrane at some point.

16 Q. Okay. Now, when we are dealing with the
17 tongue and we are dealing with CIS, first of all,
18 there is an invasion of the epithelium of the tongue;
19 is that correct?

20 A. Yes.

21 Q. Prior to the time that there is an invasion
22 of the basement membrane, is the growth pattern of CIS
23 of the epithelium, lateral, or, does it go down?

24 A. It is presumably initially lateral because

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. BONEZZI REEXAMINING

1 we see CIS over an area. We see it frequently over a
2 plaque-like distribution. And so we have it
3 removed. CIS is from areas where, in fact, there is a
4 sontometer area involved with this with no invasion
5 and it's still CIS. So, in that situation, at least
6 what you have is one that has either had multicentric
7 growth or it spreads laterally before it has invaded.

8 Q. Are you aware of whether or not the growth
9 was multicentric in this case?

10 A. I am not.

11 MR. BONEZZI: I have nothing
12 further.

13 MR. KAMPINSKI: Do you have
14 anything?

15 MR. MURPHY: I have a couple,
16 yeah.

17 MR. KAMPINSKI: I don't have any
18 more questions. I just wanted to deal with his
19 records.

20 REEXAMINATION BY MR. MURPHY:

21 Q. In response to one of Mr. Bonezzi's
22 questions, vis-a-vis the location of the lesion in
23 December of '86 and the location of the leukoplakiac
24 finding that Dr. Landsman made in August of '87, you

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY REEXAMINING

1 said they were described in the same location. But as
2 you were talking to him about that question, I got the
3 impression, and if I'm wrong correct me, that you're
4 saying they were described in the same location but
5 you can't say they were precisely at the same spot?
6 In other words, the left lateral side of the tongue is
7 enough area where there could have been two different
8 locations for those two lesions?

9 A. Yes.

10 MR. MARMAROS: Objection. Go
11 ahead.

12 Q. (BY MR. MURPHY): I didn't hear the full
13 answer before, but you said something about margins
14 and something about an oral surgeon. You're not
15 giving any opinions in this case about the amount of
16 margins an oral surgeon should take when biopsying the
17 type of lesion that Mr. Yafanero had?

18 A. No, I am not going to address the surgeon.

19 MR. MURPHY: I didn't think you
20 were. I didn't hear the whole answer.

21 Go ahead.

22 REEXAMINATION BY MR. KAMPINSKI:

23 Q. I would request that I be provided
24 immediately, in light of the trial date of Monday and

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 we are here on Thursday, of any and all references,
2 Doctor, that you believe somehow supports the growth
3 rate that you have set forth in terms of the doubling
4 time of tongue cancer.

5 You have indicated there are
6 numerous articles. You say you have to go find them.
7 Apparently, you have looked at them at some point
8 because they were referenced in your report. I would
9 like them and I would like them as quickly as you can
10 get them --

11 A. Okay.

12 Q. -- to me.

13 A. I will.

14 MR. KAMPINSKI: Additionally, I
15 would like a copy, Mr. Marmaros, of all of the
16 correspondence contained in the doctor's file and, you
17 know, I'll leave that up to you. I mean, you can give
18 it to the court reporter and she can copy it and
19 attach it to the transcript and give the original back
20 to the doctor, or, you can take it.

21 MR. MARMAROS: I think it would be
22 a lot easier for me to walk it across the street to
23 you.

24 MR. KAMPINSKI: Then you can walk

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 it across the street and then give it back to the
2 doctor.

3 A. Can I give it to you in the form of a
4 bibliography?

5 Q. (BY MR. KAMPINSKI): Let me tell you what I
6 don't want, Doctor. I don't want you to give me a
7 bibliography of a hundred references, okay, that maybe
8 one of which deals with this specific issue, because,
9 as you can well appreciate, between now and Monday I
10 can't research a hundred different publications. What
11 I am asking for is what articles specifically you are
12 suggesting support your hypothesis in terms of a
13 growth rate between 50 to hundred day doubling time
14 for tongue cancer.

15 A. Okay.

16 MR. MARMAROS: You're asking for
17 representative articles? I mean, you don't want a
18 bunch of articles?

19 MR. KAMPINSKI: If there are a
20 hundred that deal with that issue, I'll take the
21 hundred that deal with that. Sure.

22 MR. MARMAROS: Well, like you have
23 until Monday he only has until Monday, too.

24 MR. KAMPINSKI: No. No. You're

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 wrong. Because he has had not only his entire career,
2 which he has alluded to, but he has had all the time
3 necessary prior to preparing his report, presumably,
4 to look at things which he did mention and is
5 referenced in his report.

6 I'm only asking for the things
7 that you relied upon for purposes of preparing your
8 report, as you have described in your report. And I
9 don't think that's an unfair request. And if I can
10 have them tomorrow, that's when I'd like them.

11 MR. MARMAROS: Since we are on the
12 record, Chuck, will your expert give me the same
13 courtesy?

14 MR. KAMPINSKI: You asked my
15 expert about that. I think he gave you the article.

16 MR. MARMAROS: No. Your expert
17 said he didn't have to do my research for me and that
18 I should go to the library, myself.

19 MR. KAMPINSKI: Who was that?

20 MR. MARMAROS: Dr. Brenner.

21 MR. KAMPINSKI: There was nothing
22 that he was relying on.

23 MR. MARMAROS: He told me that it
24 was in any journal. I said: Can you tell me which

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 Journal?

2 MR. KAMPINSKI: Tell me where
3 there is something in his report where it says that he
4 relied on articles? This doctor refers to it in his
5 report. I am asking for it and I have asked for it.

6 MR. MARMAROS: I don't see any
7 term in his report that says that.

8 MR. KAMPINSKI: This is not a
9 game. I asked for this both in the request for
10 production --

11 MR. MARMAROS: So did I.

12 MR. KAMPINSKI: You asked for
13 Engelberg's report and you got it.

14 MR. MARMAROS: I didn't get it
15 from Engelberg.

16 We don't want to take this time --

17 MR. KAMPINSKI: You said you
18 wanted to state this while we were on the record.

19 MR. MARMAROS: I asked in the
20 request for production, Dr. Engelberg's --

21 MR. KAMPINSKI: Didn't he give you
22 one article when you were out there taking the
23 deposition? Didn't he --

24 MR. MARMAROS: No offense, the

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 request was due a month before we went out there. We
2 went through his file and found the article. I found
3 that and he --

4 MR. KAMPINSKI: When did you
5 respond to my request?

6 MR. MARMAROS: I didn't see
7 anything in Dr. Burrows' report that says that he
8 relied on --

9 MR. KAMPINSKI: When did you
10 respond to my request?

11 MR. MARMAROS: I wrote you a
12 letter, or, I talked to you on the phone.

13 MR. KAMPINSKI: You didn't respond
14 to --

15 MR. MARMAROS: I talked to you on
16 the phone.

17 I don't see, in his report, where
18 he says that he relied on articles in preparation of
19 his report. Maybe I'm stupid, but --

20 MR. MURPHY: Let me ask one
21 question while they are reviewing that.

22 REEXAMINATION BY MR. MURPHY:

23 Q. In respect to the lesion that was present
24 and clinically observed in December of 1986, if the

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. MURPHY REEXAMINING

1 pathology slides that were prepared from the tissue
2 submitted revealed that the entire lesion or ulcer
3 that was present at that time was removed and had
4 clean margins around it, would you agree that the
5 lesion observed by Dr. Landsman in August of '87 was a
6 different lesion?

7 A. Yes.

8 MR. MURPHY: Okay. I'm finished.

9 REEXAMINATION BY MR. KAMPINSKI:

10 Q. But based on your theory, obviously, it
11 wasn't all removed, it couldn't have been all removed
12 because it still wouldn't have been there; correct?

13 A. If there were two different lesions, then
14 we're talking about one that was removed and one that
15 wasn't.

16 Q. Assuming that that would be the -- say the
17 one that wasn't, that would have been observable based
18 upon your theory; correct?

19 A. Yes.

20 Q. And just so the record is clear, in your
21 report, Doctor, you say: Using standard evaluations
22 of growth kinetics, doubling times and the biology of
23 these tumors, those standard evaluations are contained
24 in these articles, are they not?

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 A. Yes. And I'll tell you that I did not
2 research a single article for this preparation. I did
3 not go and look up anything for the purpose of this
4 case.

5 MR. MARMAROS: That's what I have
6 been telling you.

7 A. I can go back and research the literature
8 and I can use the articles that I have accumulated,
9 but I have not a single book or article that I used
10 specifically for this case. I don't do it for any
11 case. I use my knowledge of it.

12 Q. (BY MR. KAMPINSKI): But the standard
13 evaluations that you refer to in your report are
14 standard evaluations from various texts?

15 A. I'll send you what I used, to know about
16 this, which is about hundreds --

17 Q. They don't specifically refer, then, to head
18 and neck --

19 A. Some do and some actually refute this. And
20 it's a compilation and distillation of this
21 information.

22 Q. All right. You send me what you've got and
23 I'll work hard, later.

24 A. I'll send you a bibliography.

JOHN H. BURROWS, M.D., MARCH 21, 1991

MR. KAMPINSKI REEXAMINING

1 MR. MARMAROS: Send it to me,
2 please.

3 (Deposition concluded)

4 CERTIFICATE OF NOTARY

5
6
7 STATE OF MICHIGAN)
8) SS
9 COUNTY OF MACOMB)

10
11 I, Debra M. Chrostowski,
12 Certified Shorthand Reporter, RPR and Notary Public in
13 and for the County of Macomb, State of Michigan, do
14 hereby certify that the deposition of JOHN H. BURROWS,
15 M. D., was taken before me on the 21st day of March,
16 1991, at the time and place hereinbefore set forth;
17 that the witness was by me first duly sworn to testify
18 to the truth, the whole truth, and nothing but the
19 truth, that thereupon the foregoing questions asked
20 and the foregoing answers were made by the witness
21 which were duly recorded by me stenographically and
22 later reduced to computer transcription under my
23 personal supervision; and I do further certify that
24 this is a true, full and correct transcript of my

JOHN H. BURROWS, M.D., MARCH 21, 1991

1 stenographic notes so taken.

2

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

I do further certify that the
signature to and the reading of the deposition by the
witness was not requested by counsel for the
respective parties hereto; also, that I am not related
to, nor of counsel to either party nor interested in
the event of this cause.



Debra M. Chrostowski

CSR 2035, RPR, Notary Public,

Macomb County, Michigan

My Commission expires:

May 3, 1992