

#567

1

IN THE COURT OF COMMON PLEAS

CUYAHOGA COUNTY, OHIO

104
Dec 24

DAVID R. DEWALT,
A MINOR, et al.,

Plaintiffs,

-vs-

JUDGE D. O. CORRIGAN
CASE NO. 53032

THE CLEVELAND ELECTRIC
ILLUMINATING COMPANY,

Defendant.

- - - -

Deposition of ROGER W. BYBEE, taken as if upon
cross-examination before Lynn D. Thompson, a
Notary Public within and for the State of Ohio,
at the offices of Squire, Sanders & Dempsey,
1800 Huntington Building, Cleveland, Ohio, at
10:00 a.m. on Monday, November 10, 1986,
pursuant to notice and/or stipulations of
counsel, on behalf of the Plaintiffs in this
cause.

- - - -

MEHLER & HAGESTROM, INC.
Registered Professional Reporters
650 Engineers Building
Cleveland, Ohio 44114
(216) 621-4984

SCANNED

APPEARANCES:

Paul M. Kaufman, Esq.
Weisman, Goldberg, Weisman
& Kaufman Co., L.P.A.
540 Leader Building
Cleveland, Ohio 44114
(216) 781-1111,

On behalf of the Plaintiffs;

Robin G. Weaver, Esq.
Squire, Sanders & Dempsey
1800 Huntington Building
Cleveland, Ohio 44115
(216) 687-8500

and

David R. Percio, Esq.
The Cleveland Electric
Illuminating Company
Illuminating Building
55 Public Square
Cleveland, Ohio 44113
(216) 622-9800,

On behalf of the Defendant.

- - - - -

1 ROGER W. BYBEE, of lawful age, called by
2 the Defendant for the purpose of
3 cross-examination, as provided by the Rules of
4 Civil Procedure, being by me first duly sworn,
5 as hereinafter certified, deposed and said as
6 follows:

7 CROSS-EXAMINATION OF ROGER W. BYBEE

8 BY MR. WEAVER:

9 Q. Would you please give us your full name and your
10 business and residential address.

11 A. My full name is Roger W. Bybee. My business
12 address is 142 Lincoln Avenue, Suite 301, Santa
13 Fe, New Mexico, ZIP 87501. My mailing address
14 is Post Office Box 2286, Santa Fe, New Mexico,
15 ZIP 87504.

16 My residence does not have a number. It's
17 on kind of a semirural area of Santa Fe.

18 Q. If we knew Santa Fe, we would understand.

19 A. Yes.

20 Q. How old are you, Mr. Bybee?

21 A. 47.

22 Q. Can you tell us something about your educational
23 background from the time you graduated from high
24 school?

25 A. Yes. I entered the New Mexico Institute of

1 Mining Technology in Socorro, New Mexico in 1957
2 under a cooperative work study program. I
3 attended New Mexico Tech, as it was called, from
4 1957 through 1959, continuously.

5 In June of 1959, I left New Mexico Tech,
6 went to work for a large electrical contracting
7 firm named Reynolds Electrical and Engineering
8 Company, Incorporated, who had executive offices
9 in Santa Fe, New Mexico, corporate offices in El
10 Paso and 37 district offices around the United
11 States.

12 During my employment with Reynolds in the
13 latter portion of 1960, I asked to be
14 transferred to the Albuquerque, New Mexico
15 district offices and in the fall of 1960,
16 entered or enrolled at the University of New
17 Mexico in Albuquerque in the electrical
18 engineering program on a full-schedule part-time
19 basis. I was working full-time and taking a
20 full schedule of electrical engineering
21 classes.

22 I continued to work for Reynolds Electric
23 and ultimately received a bachelor of science
24 degree in electrical engineering from the
25 University of New Mexico in 1963.

1 Q. That's a bachelor of science in electrical
2 engineering?

3 A. Yes, sir.

4 Q. In 1963. From the University of New Mexico?

5 A. Yes.

6 Q. Have you had any postgraduate training?

7 A. No, sir. Not in terms of formal education.

8 Q. So when you received your bachelor's degree in
9 1963, did you continue to work for Reynolds
10 Electric or did you have other employment?

11 A. No. I continued to work for Reynolds.

12 Q. And how long did you continue to work for
13 Reynolds?

14 A. Until the company was sold late in 1968. Among
15 other activities at Reynolds Electric that
16 Reynolds Electric was involved in was the
17 management of the Nevada Test Site. And the
18 company was sold to another company, Edgerton,
19 Germeshausen & Grier, EG&G, Incorporated, who
20 took over the management contract of the Nevada
21 Test Site, and generally the various
22 construction managers and district managers of
23 Reynolds purchased the particular divisions that
24 they were operating at -- the construction
25 divisions that they were operating at that

1 time.

2 I remained with the Reynolds family and
3 operated -- I was a general manager for two
4 divisions of the Reynolds family that were held
5 from the contracting business, and by that time,
6 I had started my consulting business. In the
7 Santa Fe-northern New Mexico area.

8 Q. Have you finished your answer?

9 A. I think so. I forgot what the question was.

10 Q. Well, we can go back and fill in any holes.

11 So as I understand it from your testimony,
12 from 1957 through 1968 you worked for Reynolds
13 Electric?

14 A. No, sir. From 1959, June, 1959, through the end
15 of 1968, I worked for Reynolds Electrical and
16 Engineering Company.

17 Q. Can you tell us what jobs you had held with
18 Reynolds starting in 1959?

19 A. I started out in the estimating department,
20 moved from estimating to materials expediting,
21 purchasing, field engineering, which was a job
22 description that was used within Reynolds. On
23 to project engineering, area superintendent and,
24 ultimately, vice-president of Reynolds Electric.

25 Q. What type of work did you do as a project

1 engineer for Reynolds?

2 A. Primarily -- Reynolds was involved in large
3 industrial and basic research -- for example,
4 Los Alamos National Laboratories, the AEC,
5 Sandia Corporation, which is a weapons research
6 facility, basic research facility. And large
7 commercial with regard to large shopping malls.
8 A few private buildings or multi-family dwelling
9 types of facilities, high-rise buildings.

10 But the primary business of Reynolds
11 Electric was large industrial installations of
12 electricity. Including power plants for
13 generation, transmission, distribution of
14 electrical energy. And the like. It's just
15 basically the heavy power portion of the
16 industry.

17 Q. What did you do as a project engineer for
18 Reynolds? What did you personally do? What
19 were your duties? What type of work did you
20 perform?

21 A. As a project engineer, I was in responsible
22 charge of men and materials for execution of
23 contracts that were designed by other groups.
24 It was primarily construction contracts. There
25 was a portion of that activity which was

1 involved in design. In many cases, the
2 contracts that were entered into by Reynolds
3 Electric, either as a prime contractor or a
4 first-tier subcontractor to a particular
5 contract, involved performance specifications
6 rather than fully executed contract documents
7 with drawings, details, things of that nature.

8 So as the project engineer, I was involved
9 with implementing performance specifications to
10 secure a final operational facility.

11 Q. How long were you a project engineer with
12 Reynolds?

13 A. From about 1961 forward. It was prior to the
14 time that I received my bachelor of science
15 degree in electrical engineering. But about
16 1961 forward.

17 Q. Forward to what date?

18 A. Till probably mid 1964. At which time --

19 Q. You became an area supervisor?

20 A. -- I became an area superintendent for the Los
21 Alamos-Santa Fe districts, and that did include
22 a large portion of northern New Mexico.

23 Q. What were your duties as an area superintendent
24 for Reynolds?

25 A. In those cases, for example, I had several

1 projects in the Los Alamos area office that were
2 involved with the construction of advanced
3 research facilities, terminal facilities for
4 high-voltage transmission lines, work in and
5 around the power plants in Los Alamos. I also
6 had -- in the Santa Fe office, I had St. John's
7 College, which was building a facility in Santa
8 Fe at that time. New campus expansion program
9 for the College of Santa Fe. A couple of
10 relatively small medical office complexes.

11 I had a dredge mining operation in northern
12 New Mexico in what is called the Hopewell Lake
13 area.

14 Q. How long were you an area superintendent? '64
15 to what year?

16 A. Until the company was sold, actually.

17 Q. 1968?

18 A. Yes.

19 Q. At which time you became a vice-president?

20 A. No. I had become a vice-president in the
21 meantime.

22 Q. When did you become a vice-president?

23 A. I believe it was around the latter part of 1965.

24 Q. Were you a vice-president and an area
25 superintendent for a period of time?

1 A. Yes, sir. The vice-presidency allowed me to
2 enter into contracts for Reynolds.

3 Q. But your job function didn't change?

4 A. Probably some added responsibilities. With
5 regards to added authority, no. Some
6 responsibilities. A change, but it was a
7 question of whether I would have to travel 80
8 miles to get a signature from a vice-president
9 or whether I could go ahead into the contract
10 myself.

11 Q. The projects that you told us about which were
12 undertaken while you were an area
13 superintendent, what specifically was your
14 involvement with those projects as an area
15 superintendent?

16 A. I was in full responsible charge of men and
17 materials in the execution of those projects.
18 They varied from 10 to 20 electrical journeymen,
19 whether they be linemen or interior wiremen.
20 And I did have office support in the Santa Fe or
21 the Albuquerque district offices for purchasing
22 and expediting the materials.

23 But the area superintendent generally was
24 responsible for the expediting or scheduling
25 planning of the materials at the site, along

1 with the layout of the supervisory personnel --
2 for example, foremen or general foremen -- who
3 then took the responsibility for the --

4 Q. Field work?

5 A. -- the actual field work on a particular project
6 on the interior. On line work, for example,
7 that one would have been a direct involvement in
8 the staking, layout and daily supervision of the
9 men.

10 Q. You say "on line work"?

11 A. On distribution or transmission line work. As
12 opposed to interior wiring, wherein my work was
13 primarily involved with only the foreman and
14 general foreman rather than going on a
15 floor-to-floor or particular subsystem program
16 and becoming involved with that all the way
17 through.

18 Q. You mentioned that sometime during the period
19 that you were an area superintendent, you also
20 began your consulting business?

21 A. Yes. In the latter part of 1966.

22 Q. When you began your consulting business in 1966,
23 was it incorporated?

24 A. No. It was, and it remains today, a sole
25 proprietorship. But at that time in 1966, it

1 was a part-time moonlighting kind of basis, with
2 the permission of my employers.

3 Q. How many employees did you have when you began
4 in 1966?

5 A. Myself.

6 Q. And what type of consulting work did you do
7 initially in 1966?

8 A. In '66 it was primarily -- 1966-1967, my
9 consulting work was the design of electrical
10 systems for commercial and institutional
11 buildings for architects.

12 Q. Did it change then after 1967, the nature of
13 your consulting business that is?

14 A. It expanded. But it was at that time primarily
15 for architects. There was more of it.

16 Q. You mentioned it expanded after 1967. What did
17 it expand to?

18 A. Well, I did more work.

19 Q. Okay. The same time of work for architects?

20 A. The same time of work, but more of it.

21 Q. What type of consulting work do you do today?

22 A. Primarily it's design work for commercial,
23 institutional, industrial facilities involving
24 electrical systems.

25 Q. For architects again?

1 A. Probably 50 percent I would estimate is for
2 architects. Very nearly 50 percent of that is
3 for contractors. There is a lot of work in the
4 southwestern portion of the U. S. that is
5 turnkey or design and build. And where a
6 performance criteria is issued by the owner,
7 generally governmental owners, and the
8 contractor designs, estimates, bids and, if
9 successful, then submits a full performance set
10 of contract documents to the owner and executes
11 that construction.

12 Q. In 1968 you told us that you remained a general
13 manager for the Reynolds family?

14 A. Yes. Of two divisions.

15 Q. Which two divisions were those?

16 A. Those were the Aztec Radiant Heating Division,
17 which became Aztec Industries, Incorporated. It
18 was the Aztec Radiant Heating Division of
19 Reynolds Electric, became Aztec Industries. I
20 was the general manager and chief engineer of
21 that particular division, or that particular
22 enterprise thereafter.

23 The other one was as an engineering manager
24 and chief of research and development for the
25 Ocate Corporation. That's O-c-a-t-e. Which

1 manufactured cold weather clothing and camping
2 equipment. My involvement there was primarily
3 in the thermodynamic calculations on equipment
4 or characteristics of various materials that
5 were being used.

6 Q. I forgot how you pronounced it, O-c-a-t-e?

7 A. Ocate.

8 Q. Ocate Corporation was owned by the Reynolds
9 family?

10 A. Yes, sir.

11 Q. Aztec Industries I take it from what you have
12 told us was involved in the production of some
13 type of heating equipment?

14 A. It was electric heating equipment.
15 Low-temperature radiant heating equipment.

16 Q. What do you mean by "low-temperature"?

17 A. Well, as a class, it's generally heaters whose
18 ultimate temperatures, surface temperatures, are
19 under 250 degrees Fahrenheit.

20 Q. How long did you remain with the Reynolds family
21 in these two capacities?

22 A. In 1970 I switched around the involvement that I
23 had and began consulting on a full-time basis,
24 and I remained as a part-time consultant to the
25 Reynolds family. So it was just a switch of the

1 primary activities that I was involved with.

2 Q. In 1970 as I understand it then, you became a
3 full-time electrical consultant?

4 A. Yes, sir.

5 Q. Have you remained a full-time electrical
6 consultant from 1970 until today?

7 A. Yes, sir.

8 Q. Do you have any affiliation today with the
9 Reynolds family or any of their companies?
10 Other than as a consultant?

11 A. Most of the principals are deceased of the
12 Reynolds family.

13 Q. I see.

14 A. I do consulting work for the successors, widows,
15 grand children and children who continue to
16 operate Aztec Industries. And I believe I am on
17 their board of directors. But probably my
18 consulting with them amounts to some two hours a
19 year, something of that nature. It's not really
20 any kind of active involvement.

21 Q. From 1970 through this year, 1986, have you been
22 on the payroll of any other company? Or have
23 you just been involved exclusively in your
24 consulting electrical business?

25 A. I have not been employed by anyone since 1970 on

1 a regular basis. I have performed some
2 functions where I may have received
3 reimbursables for attending meetings at a
4 particular group, but it's not in terms of a
5 salary or any kind of income.

6 Q. Mr. Bybee, have you ever had your deposition
7 taken before?

8 A. Yes, sir.

9 Q. How many times, sir?

10 A. 45 or 46 times.

11 Q. And how recently have you had your deposition
12 taken prior to today?

13 A. Last Thursday.

14 Q. Where was that, please?

15 A. Santa Fe.

16 Q. What kind of case was that?

17 A. That was a structural fire case.

18 Q. And you were testifying as what in that case?

19 A. That one as an expert in fire cause and origin
20 determination.

21 Q. For the plaintiff?

22 A. Well, plaintiff generally --

23 Q. The person bringing the lawsuit?

24 A. I believe it was actually what you people say is
25 a subrogation case between insurance companies.

1 Q. So, I see. I follow you. Okay.

2 Of the 45 to 46 depositions that you have
3 given, how many of those have involved personal
4 injury such as is involved in this case where an
5 individual through one means or another has come
6 into contact with electrical equipment belonging
7 to a public or private utility company?

8 A. I would estimate around 10 to 15.

9 Q. When was the first such deposition you ever
10 gave?

11 A. Probably around 1974. The first deposition?

12 Q. Yes.

13 A. Of any kind? 1972.

14 Q. No. Of the type we are involved in now.

15 A. Personal injury?

16 Q. Personal injury, right.

17 A. Probably 1974 or '75.

18 Q. Do you recall the name of that case?

19 A. I recall some of the participants in that
20 particular case.

21 Q. You don't recall the style of the case, so and
22 so versus so and so?

23 A. It was involving a young man named Rogers and a
24 utility -- or the electrical supplier was
25 Northern Rio Arribo County Cooperative, which

1 was a rural electrical co-op.

2 Q. In which state?

3 A. In New Mexico.

4 Q. And you testified for the Rogers boy?

5 A. My client was the attorney representing the
6 Rogers family.

7 Q. Did you testify in court in that case?

8 A. Yes, sir.

9 Q. Of the 14 to 15 depositions that you have given
10 in personal injury cases --

11 A. Excuse me.

12 Q. I'm sorry. 10 to 15 you said?

13 A. Those would not be all the personal injury
14 cases. Those would be the ones that were
15 involved with electrical supply lines, things of
16 that nature. There are some personal injury
17 cases, either as a result of fires or
18 low-voltage contacts or something like that.

19 Q. So I don't have to keep repeating every time the
20 10 to 15 cases we are talking about, from now
21 on, unless I tell you otherwise, we'll be
22 talking about the cases you have been consulted
23 in involving personal injuries vis-a-vis
24 electric utilities. Okay?

25 A. Very good.

1 Q. How many times have you testified in court in
2 one of those cases involving a utility company?

3 A. Well, now the utility company, so you
4 understand, may have been a collateral or a
5 member of the actual litigation. I was neither
6 on their side or on the other side. My client
7 may have been a codefendant, for example, of a
8 utility company or something like that.

9 But of those, probably seven or eight cases
10 that I can recall involving electrical utilities
11 in personal injury situations. It may be one or
12 two less as some of those were large property
13 losses involving utilities.

14 Q. Let me make sure we are on the same page here.

15 We talked about 10 to 15 depositions that
16 you had given in personal injury cases?

17 A. That's my recollection, yes.

18 Q. And you told us, for example, on the Rogers case
19 you did testify in court in addition to giving a
20 deposition?

21 A. Yes, sir.

22 Q. In any of the other 10 to 15 cases, have you
23 testified in court?

24 A. Yes, sir.

25 Q. How many others?

1 A. There's probably six or seven total, of which
2 the Rogers is one.

3 Q. Can you recall the names of the others?

4 A. There was one in Trinidad, Colorado. The name
5 of the young boy that was injured was David
6 Jones. The utility company was San Isabel
7 Electric Cooperative, I believe.

8 There was one in Colorado Springs. The
9 name of the injured person was David Jones.

10 Q. Another David Jones?

11 A. I'm sorry. David Higgs.

12 Q. H-i-g-g-s?

13 A. Yes.

14 And the utility company was the City of
15 Colorado Springs.

16 There was one in southern New Mexico. The
17 name of the injured party was Montanez,
18 M-o-n-t-a-n-e-z. The name of the utility
19 company was New Mexico Electric Service
20 Company.

21 There was one that occurred in Los Alamos,
22 New Mexico. The name of the injured party was
23 Schleft, S-c-h-l-e-f-t. And the utility company
24 was Los Alamos County Board of Commissioners.

25 How many is that?

1 Q. Including the Rogers case, that's five. Rogers,
2 Jones, Higgs, Montanez and Schleft.

3 A. One in Huntsville, Alabama. The injured party
4 was I believe a man named Gary Wayne Andrews.
5 And the utility was the Tennessee Valley
6 Authority.

7 That's all that I can recall right now
8 where there was an actual court appearance.

9 Q. Can you recall the names of the additional cases
10 in which you gave depositions?

11 A. There were a number of them. I'll try and
12 recall.

13 Q. To the best of your ability.

14 A. The injured parties were Miguel Grado,
15 G-r-a-d-o, and Emanuel Chavez, C-h-a-v-e-z.

16 Q. This is the same case?

17 A. No. This is another case.

18 Q. Oh, I'm sorry.

19 A. Yes. I'm sorry. These are two injured
20 parties.

21 The utility company was Southwestern Public
22 Service Company.

23 The injured party, I don't remember his
24 name, was -- I'm sorry. I didn't have a
25 deposition in that case.

1 The injured party's name was Alarcon,
2 A-l-a-r-c-o-n. The utility company was Public
3 Service Company of New Mexico.

4 The injured party's name was Aitken,
5 A-i-t-k-e-n. The utility company was Public
6 Service Company of New Mexico.

7 The injured party's name was Phillips. The
8 utility company was Public Service Company of
9 New Mexico.

10 The injured party's name was Knoll,
11 K-n-o-l-l. The utility company was the City of
12 Colorado Springs.

13 The injured party's name was Rodriguez.
14 The utility company was Colorado Public Service
15 Company.

16 That's all I can think of right now. There
17 may be --

18 Q. Okay.

19 In the Chavez versus Southwestern Public
20 Service Company case, where is Southwestern
21 Public Service located?

22 A. Southwestern Public Service Company I believe
23 has their main corporate offices in the Lubbock,
24 Texas area, but they do serve portions of the
25 southeastern part of the State of New Mexico.

1 Q. Which state did this case arise in?

2 A. This was in New Mexico.

3 Q. So the case was filed in New Mexico, even though
4 this company is located in Texas?

5 A. Yes. Their lines do extend and their facilities
6 do extend into southeastern New Mexico.

7 Q. Obviously Public Service of New Mexico is
8 located in New Mexico, the State of New Mexico?

9 A. Very nearly exclusively. They do have
10 generation facilities in what's called the Four
11 Corners area, right at the area where New
12 Mexico, Arizona, Colorado and Utah meet. They
13 have the San Juan generating plants.

14 Q. Were all the cases involving Public Service of
15 New Mexico incidents which occurred in the State
16 of New Mexico?

17 A. Yes. I believe those three all occurred in
18 Bernalillo County, or primarily in the county in
19 which Albuquerque is located.

20 Q. And, finally, the case of Rodriguez versus
21 Colorado Public Service, where is Colorado
22 Public Service located? Is that Denver?

23 A. Their main offices are in Denver. That
24 particular incident did occur in metropolitan
25 Denver.

1 Q. Thank you.

2 In addition to giving depositions or
3 testifying at trial, have you reviewed other
4 cases involving personal injury sustained from
5 coming into contact with equipment owned by an
6 electric utility?

7 A. Yes, sir.

8 Q. How many additional cases have you reviewed?

9 A. I would estimate somewhere in the area of 10 to
10 15.

11 Q. Mr. Bybee, you are aware then from your previous
12 experience of giving deposition testimony that
13 if you have any problems with my questions, that
14 is to say if you can't hear me for some reason,
15 you'll let me know, and I will repeat the
16 question. Or if you do not understand my
17 question, let me know, and I will rephrase it so
18 that you do understand. Is that correct?

19 A. Yes.

20 Q. In the Rogers case, did you testify for the
21 injured party?

22 A. My client represented the injury party, yes,
23 sir.

24 Q. And is that true in all of these cases?

25 A. No, sir.

1 Q. In the Jones case, did you represent the injured
2 party?

3 A. My client was the attorney who represented the
4 injured party, yes.

5 Q. In the Higgs case, was your client the attorney
6 who represented the injured party?

7 A. Yes.

8 Q. Is the same true in the Montanez case?

9 A. No, sir.

10 Q. Who did you represent in the Montanez case?

11 A. My client represented the utility company.

12 Q. In the Schleft case?

13 A. My client represented the injured party.

14 Q. How about the Andrews case?

15 A. My client represented the injured party.

16 That was actually -- incidentally, the
17 utility company was the Tennessee Valley
18 Authority, but this was -- in my understanding,
19 it was a co-employee suit. Rather than a suit
20 against TVA.

21 Q. In the Chavez versus Southwestern Public Service
22 Company, who did you --

23 A. My client represented the estates of the injured
24 persons.

25 Q. In the Alarcon case?

1 A. My client represented the Public Service Company
2 of New Mexico.

3 Q. Your client being the attorney?

4 A. Yes, sir.

5 Q. What was his name?

6 A. Thomas B. Keleher. K-e-l-e-h-e-r.

7 Q. And in the Aitken case?

8 A. My client was Thomas B. Keleher representing
9 Public Service Company of New Mexico.

10 Q. Is the same thing true in the Phillips case?

11 A. Yes, sir.

12 Q. And how about in the Knoll case?

13 A. My client represented the injured party.

14 Q. And, finally, in the Rodriguez case?

15 A. My client represented the injured party.

16 Q. Mr. Bybee, you ever been retained by Mr. Kaufman
17 in this case, the Dewalt case, to provide expert
18 testimony on behalf of the plaintiff. Is that
19 correct?

20 A. To examine the information provided to him and
21 render any opinions that I may have in the case.

22 Q. When were you first contacted by Mr. Kaufman?

23 A. The latter part of November, 1984.

24 Q. How did Mr. Kaufman contact you?

25 A. By telephone.

1 Q. Do you know how he got your name?

2 A. It's my understanding he had contacted or had
3 spoken with one of my clients, one of my
4 previous clients.

5 Q. Do you advertise your services as an expert
6 witness?

7 A. No, sir. I do -- I believe I am listed in the
8 Forensic Service Directory, which has some
9 relationship to Westlaw or one of those
10 publications. It's not an advertisement or
11 solicitation.

12 Q. What is the Forensic Services book or whatever
13 it is?

14 A. It's a compilation of experts in a wide range of
15 fields that is put together by an editor and/or
16 a publisher apparently to assist attorneys and
17 people involved in litigation, or not
18 necessarily litigation but I would say that's
19 the actual effect of it, in looking for
20 qualified experts in various fields.

21 Q. Is the official title "Forensic Experts"?

22 A. No. It's "Forensic Services Directory."

23 Q. Is it a published book?

24 A. Yes.

25 Q. Or pamphlet of some sort?

1 A. No. It's a book. It's a hard-bound book.

2 Q. Mr. Kaufman contacted you then in late 1984 and
3 apparently asked you to review some materials
4 for him?

5 A. Yes, sir.

6 Q. And after reviewing these materials, you
7 prepared a report which you submitted to Mr.
8 Kaufman on March 27th, 1985. Is that correct?

9 A. Yes, sir.

10 Q. I notice in your report, you state that you have
11 reviewed all of the data, information and
12 material supplied by Mr. Kaufman's firm to date
13 in this matter.

14 A. Yes, sir.

15 Q. I want to ask you now exactly what those
16 materials were that you reviewed.

17 A. Mr. Kaufman supplied me with I believe it was
18 two sets of answers to interrogatories by CEI,
19 the responses to two sets of requests for
20 production of documents. Provided me with
21 medical records from Cuyahoga County Hospital,
22 Cleveland Metropolitan Hospital.

23 MR. KAUFMAN: It's the same
24 hospital.

25 THE WITNESS: Oh. They have

1 different titles on them, the doctors and
2 reports. I don't know the difference between
3 the two.

4 A. And some medical information from Case Western
5 Reserve. Dr. Blanchard, I believe, as I recall.

6 I reviewed portions of distribution circuit
7 diagrams and grid maps of CEI. Some portions of
8 the CEI distribution standard handbook. Copies
9 of some radio spots and printed materials from
10 CEI. The depositions of Mr. Douglas and Mr.
11 Smith, both CEI employees. Deposition of David
12 Dewalt. A note from I believe it was Mrs.
13 Dewalt concerning the -- or some pertinent
14 information regarding witnesses and what
15 happened on the day of the incident in which
16 David was injured. Photographs of the Wengler
17 playground. Photographs of David's injuries.
18 This was later on in the injury sequence.

19 Q. What was later on?

20 A. These photographs.

21 Q. Let me try and, if we can, segregate the
22 materials you reviewed prior to March 27th, 1985
23 and those that you have reviewed after. Up
24 until the photographs of David's injuries, the
25 things you just told us about that you reviewed

1 you saw and reviewed before March 27th, 1985; is
2 that correct?

3 A. I don't remember when I read those depositions.
4 I presume that that was -- it might be easier,
5 if I can, to look through --

6 Q. Go ahead.

7 A. -- and determine what came after the March --

8 Q. Go ahead.

9 A. I believe that group that I just recited, with
10 the exception of -- and although I didn't say it
11 with specificity, in the printed materials I
12 believe I did receive two copies of advertising
13 documents from CEI recently. I did inspect the
14 site of Wengler playground yesterday.

15 And I believe that's all of the new
16 information that I have been provided since my
17 report of March 27th, 1985.

18 Q. You mentioned you inspected the site yesterday.
19 What time did you inspect the site?

20 A. I would imagine it was -- we arrived at sometime
21 around 1:30 your time.

22 Q. So in the afternoon?

23 A. In the afternoon. I arrived in town at
24 approximately 12:30.

25 And I would estimate we were at the site

1 for -- I was accompanied by Mr. Kaufman. We
2 were probably at the site and its environs for
3 approximately two hours.

4 Q. You mentioned that you were accompanied by Mr.
5 Kaufman to the accident site.

6 A. Actually I accompanied him. He was in control
7 of my --

8 Q. Well, both of you guys were there?

9 MR. KAUFMAN: We accompanied each
10 other.

11 Q. Was there anyone else with the two of you?

12 A. No, sir. We were with each other.

13 Q. Did you meet the Dewalts while you were out
14 there?

15 A. No, sir.

16 Q. Did you meet any other attorneys from Mr.
17 Kaufman's firm?

18 A. No, sir.

19 Q. Did you take any notes on your visit to the
20 site?

21 A. No, sir.

22 Q. After having viewed the site, tell me what
23 determinations you made from your --

24 A. Well, the only actual determination was that the
25 scene and the area had been modified since the

1 photographs that I was provided were taken, in
2 that some playground equipment had been removed.

3 Q. That's on the asphalt portion?

4 A. On the asphalt portion. And the basketball
5 goals had been removed. There were two young
6 boys there. I did ask if they knew what
7 happened to the equipment that had been there,
8 and they said that it had been removed this
9 summer.

10 The other thing that I did observe there
11 was the entire facility. Since I had -- rather
12 than a continuous group of photographs showing
13 the entire layout, I was able to walk around the
14 perimeter and through the park and take a look
15 at it and take a look at some of the facilities
16 there, the electrical facilities, that were not
17 previously available to me.

18 Q. What did you observe about the electrical wires
19 which run along the rear lot lines where this
20 accident occurred?

21 A. I observed an open supply, basically a
22 single-phase construction with the energize
23 conductor generally on a pole top pin or ridge
24 pin. The neutral conductor -- and these are
25 estimates rather than actual measurements.

1 Q. Sure.

2 A. -- at least four feet and in some cases
3 approximately five feet below on an insulated
4 upset bolt at the same location as a triplex
5 secondary. A neutral and triplex secondary
6 attached at the same location on the pole
7 generally.

8 The telephone conductors -- I believe they
9 are Ohio Bell Telephone. At least that's what
10 the records that I have indicate -- appear to be
11 the lowest conductor on the pole, with a
12 community antenna television cable, cable TV or
13 TV cable, approximately four to six inches above
14 the telephone cable.

15 Q. You say that the neutral was in your opinion
16 four to five feet below the primary?

17 A. Yes, sir.

18 Q. Are you familiar, sir, with the National
19 Electrical Safety Code?

20 A. Yes, sir.

21 Q. Please tell me how you are familiar with that
22 code.

23 A. I have been using it since -- it's a recognized
24 national standard and is part and parcel of the
25 electrical power industry. Particularly

1 involving open supply lines, underground medium
2 voltage cables or cables above 600 volts, and
3 communications cables. I have been familiar
4 with it since my first involvement with Reynolds
5 Electrical Engineering Company. One of the
6 projects or one of the things that I worked on
7 from about 1961 forward was the construction of
8 distribution lines throughout the northeastern
9 part of Arizona.

10 Q. So you have worked with the National Electrical
11 Safety Code since 1961?

12 A. Since actually 1959, but specifically with its
13 direct application to distribution lines as a
14 single involvement rather than just an ancillary
15 involvement on a particular project since 1961.

16 Q. Was it significant to you that the neutral that
17 you saw yesterday was four to five feet below
18 the primary?

19 A. I don't know what you mean by "significant."

20 Q. You pointed that out as in your opinion it was
21 about four to five feet, as you eyeballed it,
22 below the primary. And I wondered obviously for
23 you to estimate that distance if it had some
24 significance to you or --

25 A. Only from the standpoint that it appeared that

1 the poles had been framed to allow for a
2 transformer to be installed between the primary
3 and the neutral on their particular design.

4 Q. You don't find any fault with the fact that it's
5 four to five feet below the primary? That is,
6 that the neutral is four to five feet below the
7 primary?

8 A. I believe that was just an observation. And
9 that they used the neutral position as the point
10 of attachment for the secondary conductors that
11 are -- well, I call them underbuilt. I don't
12 know what the local terminology is.

13 Q. All I'm trying to find out is -- since I wasn't
14 out there with you and haven't had the
15 opportunity to discuss your inspection with you,
16 I don't know whether this is significant to you
17 or not, but I want to know do you find some
18 problem with the fact that the neutral was four
19 to five feet below the primary or is that
20 acceptable construction?

21 A. As I said, all that it indicated to me is that
22 it had been intended or planned that a
23 transformer could, in fact, be installed at
24 virtually any structure there. They allowed
25 adequate -- adequate space was allowed for the

1 installation of the transformer.

2 Q. And there's nothing wrong with that type of
3 construction, I take it?

4 A. I think that that kind of spacing is quite
5 normal and acceptable.

6 Q. Going back to your report now, you indicate that
7 in terms of the facts, David was flying his kite
8 on the November 7th, 1982. How did you come by
9 that fact?

10 A. That was reported to me by Mr. Kaufman. That
11 report was supported by the note from Mrs.
12 Dewalt. And I believe the subsequent testimony
13 of David in deposition.

14 Q. I'm not questioning the accuracy. I wanted to
15 learn the source.

16 At the time you prepared this report, the
17 source for this was Mrs. Dewalt's note?

18 A. I don't recall whether I had David's deposition
19 at that time. I believe I did. I can see.

20 MR. WEAVER: Do you know when it
21 was taken?

22 MR. KAUFMAN: No, I don't remember
23 what date David's deposition was taken.

24 A. I believe I got all the depositions of Douglas,
25 Smith and Dewalt at the same time, and that was

1 November of '84.

2 THE WITNESS: I'll let you look
3 through that --

4 Q. Well, Mr. Bybee, we can move on to save some
5 time.

6 Do you have Mrs. Dewalt's note with you?

7 A. I have a copy of it, I believe. I don't have
8 the actual note.

9 Q. May I see it, please.

10 Why don't you tell me exactly what you have
11 with you here today, your materials.

12 MR. KAUFMAN: Let the record show
13 that Mr. Bybee is handing Mr. Weaver --

14 A. This is a note that was provided to me and
15 represented as being a copy of a note from
16 Rosemary Dewalt dated December 3rd, 1984.

17 Q. Why don't you just tell us what you have with
18 you, sir.

19 A. I believe it's basically the things that --

20 Q. I know, but just tell us, if you will, on the
21 record -- just go through -- what is this?

22 A. That's some excerpts from the National
23 Electrical Safety Code Interpretations.

24 Q. Okay.

25 A. Two volumes, 1970 through 1980, 1981 through

1 1984. Actually three volumes. 1961 through
2 '77.

3 Q. A letter from Mr. Kaufman?

4 A. I have several letters from Mr. Kaufman.

5 Q. Would you give us the dates of those, please.

6 A. August 22nd, 1986, May 16th, 1986, February 5th,
7 1986, December 3rd, 1985 and October 4th, 1985,
8 April 2nd, 1985, December 21st, 1984, December
9 20th, 1984, December 18th, 1984, December 4th,
10 1984.

11 Q. Following those letters you have --

12 A. Notes of -- or memos of telephone conversations
13 with Mr. Kaufman concerning apparently a trial
14 setting and so forth.

15 Q. Okay.

16 A. A group of CEI drawings on work orders.

17 Q. Okay.

18 A. A review of transmission distribution March, '84
19 super grid article.

20 Deposition of -- these are notes to the
21 deposition of Edward Smith.

22 Q. Okay.

23 A. Notes concerning deposition of Donald Douglas.

24 Q. Okay.

25 A. The materials, CEI answers to request for

1 productions, answers to first set of
2 interrogatories. These are notes concerning
3 those.

4 Q. Okay.

5 A. The CEI safety spots. Two of them as I
6 indicated before.

7 There is actually a letter possibly from
8 you to Mr. Kaufman that was transmitted to me.
9 It appears to be an original. With the safety
10 spots.

11 Q. Okay.

12 A. Notes concerning the medical records. And the
13 medical records themselves.

14 Here I have a packet of CEI distribution
15 standards that were provided to me.

16 Q. Okay.

17 A. Electrostatic copies of some safety spots I
18 received yesterday from Mr. Kaufman.

19 Along with some electrostatic copies of
20 early injuries, which I did not have prior to
21 yesterday, or had not seen prior to yesterday.

22 A group of documents which are in many
23 cases multiple --

24 Q. Copies?

25 A. -- copies of -- I don't know -- out of

1 apparently four or five files from the same
2 document from CEI concerning the area of the
3 incident, along with some distribution circuit
4 maps. The depositions of Mr. Smith and Mr.
5 Douglas. The actual copies of the pleadings
6 that I mentioned earlier.

7 And I don't see the deposition of David
8 Dewalt. I may have missed it when I was
9 going --

10 Q. Well, that's all right.

11 MR. KAUFMAN: I don't think it's in
12 that packet, Roger. But it was supplied to
13 you.

14 Q. Fine.

15 A. It just may not be attached.

16 As well as photographs of the site of
17 Wengler playground provided by Mr. Kaufman. I
18 don't know who photographed it. And photographs
19 of the injured areas that are later in date than
20 those that I spoke of having seen yesterday.

21 Some of these are portions -- there's five
22 or six pertinent sections of the medical records
23 that describe the injured areas. A sample of
24 the wire that was used as a guideline by David
25 Dewalt. And the notes that I have made during

1 this period.

2 Q. You mentioned at the outset that you wanted to
3 take a smoke break. I'd like to look at these
4 materials. Why don't I do that while you take a
5 smoke break.

6 MR. KAUFMAN: Let the record show
7 that we are handing over to Mr. Weaver the
8 materials that have been described. I think
9 he's familiar with the ones that have already
10 been supplied to us by CEI.

11 MR. WEAVER: Let me take a look at
12 it.

13 - - - -

14 (Thereupon, a recess was had.)

15 - - - -

16 Q. Mr. Bybee, after Mr. Kaufman contacted you
17 initially in late November of 1984, you
18 apparently needed some additional information
19 and requested Mr. Kaufman to obtain it for you
20 and he did?

21 A. Yes, sir. Generally he provided me with
22 whatever available documents or whatever he
23 could come up with.

24 Q. And among the data which Mr. Kaufman supplied
25 you with was a note from Mrs. Dewalt dated

1 December 3rd, 1984. Is that correct?

2 A. That's correct.

3 Q. Did you ask Mr. Kaufman to determine David's
4 height and weight?

5 A. Yes, sir.

6 Q. Why?

7 A. In order to understand the electrical circuit
8 that was involved, it is necessary to know the
9 anthropomorphic characteristics of the person
10 that's involved in the electrical circuit. In
11 order to determine the probable position and
12 configuration of the human body in the
13 electrical circuit, that information is
14 necessary for me.

15 Q. In terms of formulating any opinions which you
16 have, which we'll get to later, about the
17 Illuminating Company's conduct in this case, was
18 that a relevant fact?

19 A. It's relevant from the point that it does allow
20 me to assess whether the injury record, the
21 actual injuries received by David Dewalt in this
22 particular case, are consistent with the
23 information provided to me concerning the
24 circumstances from which the injuries arose.

25 Q. What if David Dewalt had -- like I say, we will

1 get to your opinions later, but whatever they
2 are, okay, would they have changed if David
3 Dewalt had been six feet two and 195 pounds?

4 A. It might have with regard to the injuries. For
5 example, the risk of electrocution rather than
6 severe electrical burns or injuries of that
7 type.

8 Q. Oh, in terms of the ultimate injury to the
9 victim? Is that what you are saying?

10 A. Or even the intermediate injuries or the -- for
11 example, it is in my opinion pertinent to know
12 where the probabilities lie with regard to
13 potential electrocution or destruction of life.
14 That is pertinent. Or it is information for
15 which the height and weight and that data is
16 necessary to determine.

17 Q. Did you also want to know the type of clothing
18 that David was wearing?

19 A. I asked specifically the type of shoes, pants,
20 shirt, underwear or not.

21 Q. Why did you want to know what type of clothing
22 David was wearing at the time?

23 A. Because that allows me to assess the position
24 configuration of the body. Any intervening
25 resistant materials that may have been between

1 him and, for example, the earth, which would
2 have worked in concert with his bodily position
3 to determine his particular location and
4 position in an electrical circuit.

5 Q. Did you also seek information with regard to
6 witnesses to the incident?

7 A. Yes, sir.

8 Q. Why did you want that information?

9 A. My experience in cases where people have been
10 injured and survived in electrical cases is that
11 their recollection and memory of the
12 circumstances leading to it and during the
13 incident are often incorrect as to exactly what
14 happened, and I was interested in having -- or
15 finding if there were a witness who may have
16 seen parts of the incident in progress to, if
17 not corroborate, then at least give us some idea
18 of what they thought they saw.

19 Q. You have incorporated this finding in your
20 report in Item No. 4 on the first page, is that
21 correct, the neighbor who saw David in the park?

22 A. Yes. I believe that the first page and the
23 Items No. 1 through 6 represent a fair
24 assessment of the information that was provided
25 to me concerning the circumstances surrounding

1 the incident.

2 Q. Now, Item 4A in your report. You say "Neighbor
3 heard loud crackling noise, turned around and
4 saw Dave hit the ground flat on his back."

5 Of what relevance is that to the
6 formulation of your opinions?

7 A. A concern of mine was that with the nature of
8 the injuries that David suffered -- for example,
9 there were no noted injuries on the bottoms of
10 his feet or on the sides of his feet, suggesting
11 that if, indeed, he was standing up when the
12 electricity first entered his body, it was for a
13 very, very short period of time, insufficient
14 time for tissue temperatures to exceed burn
15 conditions.

16 So that he may have -- it may have been a
17 multi-phased incident overall, with the first
18 one, if he were indeed standing up, he would be
19 knocked down very quickly. And then the
20 injuries proceeded from there after he was
21 either sitting down or on his back.

22 Q. And in 4B, you said the neighbor saw David's
23 clothing burning, ran to help, saw David's heart
24 exposed and spool with guideline laying at
25 David's side smoking.

1 Of what relevance is that finding to the
2 formulation of your opinions?

3 A. In the same manner as in the standing. That
4 description is consistent with the evidence of
5 electrical burns on David's body in that for a
6 period of time, certainly much longer than the
7 initial period, if he were standing up and got
8 knocked down, it appears that he was in a prone
9 position for a relatively long period of time
10 with electricity flowing through his body.

11 Q. In terms of expressing opinions on CEI's
12 liability in this case, that fact wouldn't have
13 any bearing on those opinions, would it?

14 A. It does in understanding what happened after the
15 electricity got into his body and understanding
16 that, for example, this is not a case where in
17 my opinion, and for my opinion, that it is
18 highly improbable that the method of contact was
19 anything but, for example, a conductive kite
20 string or guideline into his body.

21 I would not, for example, consider the
22 kinds of injuries that David had consistent with
23 those had David climbed a pole and came into
24 contact or had David used a long conductive
25 object gripped in both hands hitting the line.

1 It tends to focus or eliminate other sources of
2 electrical injury for this case, and it is
3 important from my point of view that the record
4 that I am provided by Mr. Kaufman and others is
5 consistent with the injury that we have from an
6 electrical standpoint.

7 Q. I think that there's one area that we will all
8 be able to agree on, Mr. Kaufman, yourself and
9 Mr. Percio, and that is he was flying a kite and
10 that that was the source of the energy. Nobody
11 -- I'll state for the record nobody is
12 contending he climbed a pole. I understand your
13 thoroughness, but that really is not an issue in
14 this case, as to how he --

15 A. I can appreciate that, but it is required for my
16 own personal review of the incident.

17 Q. Fine. No problem.

18 Now, in Paragraph 4C of your letter, you
19 say "Neighbor ran to garage, returned with
20 rubber boot and knocked the guideline away from
21 David. David laid there shaking with clothes
22 smoking for about 2 minutes."

23 Of what significance is that in formulating
24 your opinions to whether CEI was negligent in
25 this case?

1 A. That is in the same line as the previous
2 information, because it does appear to me from
3 the injury record that that particular
4 condition, for example the shaking, is quite
5 consistent, as is Item No. 5, for an electrical
6 circuit which involves the head. And those are
7 also consistent in that the wound to the back of
8 David's head has a very, very high engineering
9 probability of having been caused by the flow of
10 electricity through David's head into the
11 ground.

12 So that those two, Items 4C and 5, for
13 example, are consistent with the injury record
14 and what I indicate to be an exit wound in 6B on
15 the back of the head. And that's all I'm
16 attempting to assess and to differentiate
17 between entry and exit wounds as a path of
18 current flow through this circuit, which happens
19 to include David Dewalt.

20 Q. Is it fair to say, Mr. Bybee, that Items 1
21 through 6 of your letter were important for you
22 to put this case in proper perspective?

23 A. For me to understand and to make a determination
24 that the information provided to me for review
25 and for rendering an opinion was consistent, and

1 it establishes two things. It does indicate the
2 information that was provided to me and a
3 synopsis of that information.

4 Q. Now, in terms of CEI's ultimate liability in
5 this case, namely as to whether CEI was
6 negligent, those factors really are not
7 relevant, are they?

8 A. Only from one standpoint. And that is how --
9 that's the manner in which he was injured.

10 Q. Oh, I understand. That's a component of the
11 case. There's no question about that. But
12 before you can get to the injuries or the damage
13 phase of it, you have to establish liability.
14 You know, in other words, was CEI negligent.
15 That's the first issue.

16 A. No, I don't have to. It is sufficient for my
17 purposes to, first of all, understand -- and
18 it's required for my purposes -- to understand
19 the configuration of David, his position, what
20 he was doing when he was injured and then look
21 at the instrumentality by which he was injured,
22 which then leads us to the location, nature and
23 characteristics of the electrical facilities
24 which were the instrumentality.

25 So that I don't separate those as I

1 certainly understand that both you and Mr.
2 Kaufman tend to differentiate and delineate
3 these differences.

4 Q. Fair enough.

5 Moving on into your letter then, you say
6 "The records from Cleveland Electric
7 Illuminating Company indicate the following
8 information." In No. 7, you say "CEI installed
9 the incident distribution lines as a 4,340 volt
10 line in the latter part of 1966 and the
11 conversion to 7,620 volts was accomplished in
12 1969." Is that correct?

13 A. That's correct. That's what it says. That's my
14 understanding.

15 Q. Now, of what relevance is that fact to the
16 formulation of the opinions which you have about
17 CEI's conduct in this case?

18 A. In particular, the information contained in Item
19 7 tells me the appropriate standard to apply to
20 the lines with regard to their genesis. And the
21 1966-1969 dates of initial construction and
22 either subsequent reconstruction -- because it's
23 not totally clear that the conversion was made
24 without some reconstruction -- indicates to me
25 that the 1961 or 6th edition of the National

1 Electrical Safety Code was the one in force and
2 effect and recognized.

3 Q. So if construction had occurred in 1973, then
4 that would be relevant because another edition
5 of the National Electrical Safety Code would
6 apply?

7 A. It's unfortunate that you selected that because
8 the 1973 edition is also the 6th edition. What
9 happened in 1973 was the publication and the
10 responsibility for the National Electrical
11 Safety Code changed from the National Bureau of
12 Standards to the IEEE, but the 1973 is still
13 considered the 6th edition. It is, in fact,
14 with a couple of very minor editorial changes.

15 Q. My point being that the relevance of the
16 information that you have included in No. 7 of
17 your letter is so that you can determine which
18 edition of the National Electrical Safety Code
19 governs?

20 A. That's correct.

21 Q. No. 8, you say "CEI was aware of Wengler
22 Playground by at least 1970 when flood lighting
23 was installed for the playground and when
24 maintenance replacements were made in 1972 and
25 1981."

1 First, I would ask you what the source of
2 your information was?

3 A. Those were CEI documents. One of them was a
4 work order in 1970, when flood lighting was
5 installed on the playground. And, in fact, the
6 documents provided to me that indicate those are
7 CEI documents. They indicate that that is a
8 playground and they were aware of its use as a
9 playground, and, in fact, the flood lighting was
10 for the asphalted portion of the playground.

11 Q. Of what relevance are those facts to your
12 opinions as to whether CEI was negligent in this
13 case?

14 A. In this particular case, it indicates that CEI
15 was aware or should have been aware, and, in
16 fact, the documentation is that they were aware,
17 that Wengler -- that this area along Wengler or
18 off of Wengler was indeed a playground, and as a
19 playground, it was an area where children and
20 other members of the public congregate for
21 recreational activities. That it was not just
22 an empty lot where a building had been torn down
23 and the neighborhood kids took it over and used
24 it for a playground; it was, in fact, a planned
25 area of congregation and recreation.

1 Q. No. 9 in your letter says "CEI installed 33KV
2 insulated aerial cables adjacent to the
3 playground." Did I read that correctly?

4 A. That's what it says. Of course, there is the
5 typographical error in "ariel." I picked that
6 up now.

7 Q. I just read it as -- I'm not interested in
8 typographical errors.

9 What is the source of your information?

10 A. That again is CEI documents, as well as the
11 photographs of the scene. There's an indication
12 in the CEI documents that those are 33 kV cables
13 that are strung aerially across what I generally
14 call the northern or part of the northern
15 boundary of Wengler playground.

16 Q. Can you show me which CEI documents you are
17 referring to?

18 A. This one happens to be one. I don't know the
19 identification, whether this is the grid map
20 5515. It does show the associated adjacent
21 maps, and the area circled in red there, which
22 is very difficult for me to read without optical
23 aids, indicates that that is a 33 kV insulated
24 cable.

25 That's one. There was, of course, the

1 deposition testimony of -- I don't recall
2 whether it was Mr. Smith or Mr. Douglas
3 indicating that 33 kV cable was, in fact,
4 installed.

5 Q. Nothing on that grid map that you just showed me
6 indicates that that 33 kV aerial cable is
7 insulated, does it?

8 A. Well, I believe the cable itself is an
9 indication that it's not an exposed or a bare
10 conductor. I do see in the relevant documents
11 or the photographs from the area that in late
12 1982 -- at least it's my understanding that's
13 when they were taken. It indicates that those
14 were, in fact, insulated cables that were cabled
15 in a bundle and held on a messenger.

16 I do not know the meaning of the numbers
17 below there. And I don't believe that I've been
18 provided with any kind of a guide that tells me
19 what "J34793" means, for example.

20 I have seen -- personally I have seen the
21 cables for their entire run in the area of the
22 Wengler playground in a westerly direction where
23 they terminate at an overpass, I guess it would
24 be considered, on the five points road. What
25 the name of the roads are out there I don't

1 know, but it's to the west of that area. I have
2 observed them. I haven't obviously inspected
3 them.

4 Q. Of what significance is it to the formulation of
5 your opinions in this case regarding CEI's
6 negligence that there was a 33 kV insulated
7 aerial cable near the playground?

8 A. It was pertinent in my view to recognize that a
9 cable with a much greater duty with regard to
10 insulation capabilities was, in fact, installed
11 and used in the area of the Wengler playground
12 and has apparently continued to be in use during
13 this time. So that it's more of a confirmation
14 of my opinion that the technology and certainly
15 the materials were not only available but it was
16 economically practicable and feasible to install
17 insulated conductors as a suitable substitute
18 for exposed uninsulated conductors which relied
19 upon elevation and spacing for insulation.

20 Q. No. 10, you say "CEI was aware prior to November
21 7, 1982 that hazards to the public arose from
22 contacts between the energized bare electric
23 supply lines and kites and model airplanes."

24 What is the basis for that statement?

25 A. Well, in the event that CEI is living in a

1 vacuum, it is certainly indicated by their radio
2 spots and their printed materials that it is at
3 least viewed by CEI that there is a potential
4 hazard from contact by kites and model
5 airplanes.

6 I believe in the answers to
7 interrogatories, there was a single reference to
8 a prior incident of, and I don't know whether it
9 was injury or what it was, a claim from a -- if
10 not a consumer, a contact of CEI and CEJ
11 involving a kite.

12 Q. You say in No. 10 though CEI was aware that
13 hazards to the public arose from contacts
14 between the energized bare lines and kites.
15 What evidence is there that CEI was aware of any
16 such hazards? You are saying the advertising?

17 A. Well, in their advertising, in which they
18 indicate that with the instructions to avoid
19 contacts between the CEI lines or the electric
20 company's lines or the Illuminating Company's
21 lines and kites or model airplanes. And the
22 fact that there had been apparently at least one
23 filed claim prior to the Dewalt claim concerning
24 a kite contact. I do not know the specific
25 information concerning that one. I can only

1 read it in the context of the response to the
2 particular question.

3 Q. Have you ever heard other than this case of an
4 instance where a kite contacted a bare conductor
5 and an injury resulted from it?

6 A. I have heard of them. I don't have any that I
7 am aware of in my personal experience. I have
8 never investigated an incident such as that. I
9 have investigated incidents, for example, where
10 a kite became entangled in lines and the
11 subsequent attempt by a child or his friends to
12 retrieve it resulted in injury. I am familiar
13 with everything from Benjamin Franklin's
14 experiments with kites using string that, of
15 course, wet kite strings are conductive, or even
16 damp kite strings are conductive, depending on
17 the voltages that are involved.

18 Q. Do you think it would be good policy for a
19 utility company to warn against flying kites
20 around utility lines?

21 A. I think most utilities, or at least all of the
22 ones that I'm familiar with, do warn against the
23 hazards of flying kites in the vicinity of
24 aerial lines.

25 The conditions that I am familiar with, of

1 course, all generally say "If your kite becomes
2 entangled, call the" --

3 Q. Power company?

4 A. -- "power company or whatever in for assistance
5 in removal or retrieval."

6 Q. And again as I asked you before, do you think it
7 is a good idea for a utility company to
8 advertise and warn people not to fly kites near
9 electrical lines?

10 A. Yes. I think that it probably goes a little
11 further than that though. I think it has to be
12 a true warning with some recognition of the
13 hazards or the risks that are involved in it.

14 Q. What risks are involved in flying a kite near a
15 13.2 kV line?

16 A. The risks with a wet string, of course, are not
17 unlike those that occurred in the case of David
18 Dewalt, except that it would be my opinion that
19 the contact may not have been quite as long with
20 a wet string as it would be with an insulated
21 wire guideline.

22 Q. You are suggesting that if David Dewalt had been
23 flying that kite with a wet string, the same
24 result would have obtained?

25 A. No. I think it would be possible he could have

1 been killed.

2 Q. With a wet string?

3 A. Yes.

4 Q. So is it your opinion that wet string is as good
5 a conductor as the wire that David was using on
6 November 7th, 1982?

7 A. No, sir. I think what I'm saying is that it is
8 a poorer conductor. Thus, the ranges of current
9 are lower, and, thus, the potential for
10 electrocution is much higher.

11 Q. Not being an electrical engineer, you just lost
12 me there. You say you agree that string
13 regardless of whether it's dry or wet is a
14 poorer conductor than wire?

15 A. Oh, certainly. But what I am saying is that a
16 wet string is potentially more dangerous or has
17 a greater potential for electrocution rather
18 than electrical injury than the wire guideline.

19 Q. That's where you lost me. If it's not as good a
20 conductor, why would there be a potential for a
21 greater injury?

22 A. Because the plant we call a human being is more
23 susceptible to electrocution within a very
24 narrow range of electrical through-body
25 currents. And with the use of a guideline, that

1 range, the wire guideline as in David's case,
2 the range was exceeded so it was highly
3 improbable that David would have been
4 electrocuted. However, it was almost certain
5 that he would be injured, he would sustain
6 severe electrical injuries, although he was
7 outside of the probability of --

8 Q. Death?

9 A. -- electrocution. This is a destruction of
10 life. And in that regard, a wet string would
11 have tended to bring down the through-body
12 currents, possibly into the level or into the
13 electrocution range of currents.

14 Q. What if David had been using a dry string?

15 A. A dry string at 13.2 would probably -- actually
16 it wasn't 13.2. Actually what it was, he was
17 exposed to 7620. That's phase to ground
18 voltage.

19 At 7620, it's unlikely that the contact of
20 the string with the power line would have had
21 any effect. However, not having the
22 climatological data for the area, along with the
23 humidity and dew point, I would imagine there is
24 no such thing in November of 1982, or possibly
25 even November, 1986, in which you really have a

1 zero percent relative humidity.

2 I would have a concern, for example, about
3 the 33 kV line exposed on the northern end with
4 a damp string, even though it would be something
5 less than 33 kV to ground. So that a dry
6 string, if it were indeed truly dry, should pose
7 no potential for direct contact injury.

8 Q. In No. 11, you stated that "CEI recognizes the
9 National Electrical Safety Code applies as the
10 standard of minimum requirements for the owners
11 and operators of electric supply lines with
12 respect to installation, operation, inspection
13 and maintenance."

14 What is the source for that statement in
15 your report?

16 A. I believe in the answers to interrogatories, as
17 well as the deposition testimony of Mr. Douglas,
18 that the National Electrical Safety Code is, in
19 fact, the basis for their operation.

20 Q. And if it were not the basis for the
21 Illuminating Company's operation, would you find
22 fault with that?

23 A. I would still apply the National Electrical
24 Safety Code as a nationally recognized standard,
25 minimum standard to their facilities.

1 Q. In evaluating their conduct?

2 A. Yes.

3 Q. Then you go on to say that "The National
4 Electrical Safety Code of 1961 (Sixth Edition)
5 applied continuously from the time the incident
6 distribution line was first energized in 1966
7 through November 7, 1982 and through the present
8 time." Correct?

9 A. That's what I say, yes, sir.

10 Q. Then you say "National Electrical Safety Code
11 1961, Part 2, Articles 201B, 202, 210, 211, 213,
12 214A, 230E, and 280 covered the line for initial
13 compliance, inspections for safety, and proper
14 maintenance."

15 Then in the next paragraph, you say "The
16 official interpretations of the National
17 Electrical Safety Code have consistently held
18 that where the applicable National Electrical
19 Safety Code is silent with regard to
20 site-specific activities and conditions, the
21 rules require recognition of these activities
22 and conditions in the vicinity of the lines.
23 Further, the rules require the safeguarding of
24 persons involved in those recognized activities
25 from the hazards associated with the use of

1 electricity."

2 First, I'd like to ask you do you have the
3 official interpretations of the code which you
4 state here have held that where the code is
5 silent with regard to site-specific activities,
6 the rules require that they be taken into
7 consideration?

8 A. Yes. Those excerpts are included in my files
9 here.

10 Q. May I see them, please?

11 A. Certainly.

12 Q. Thank you.

13 These photocopies of the interpretations
14 you have just handed me contain several pages.
15 Are there specific provisions in here which
16 apply to this case?

17 A. Those are the particular -- those are
18 electrostatic copies of those.

19 Q. My question is do I have to read all of this or
20 is there one or two paragraphs which --

21 A. The way the National Electrical Safety Code
22 interpretations work are you have an
23 interpretation request, which is then responded
24 to by the committee.

25 Q. Okay. I follow you.

1 A. For example, on the -- which one are you looking
2 at there?

3 Q. I'm looking at the 1961 to 1977 inclusive.

4 A. Okay. If you will look at -- there should be a
5 copy of Page 56 there, Interpretation Request
6 165.

7 Q. Oh, yes.

8 A. That's with regards to the use of the activities
9 that occur in the vicinity of lines.

10 Q. So in this one, it's Request No. 165 that --

11 A. Interpretation Requests 165, 168 and 187.

12 Q. 165. 168?

13 A. Yes, sir. That's on Page 66.

14 Q. And the other one was 187?

15 A. 187 on Page 68.

16 Q. Now I'm looking at interpretations 1981 through
17 1984 inclusive. Which --

18 A. I'll have to look at that.

19 Q. Oh, sure.

20 A. It would be Interpretation Request IK344.

21 I might also add that Interpretation
22 Request 349 on Page 231.

23 Q. Okay. Thank you.

24 Q. Finally, I'm looking at the copy of the 1978 to
25 1980 inclusive.

1 A. Interpretation Request 130, Page 221, which is
2 actually a 1944 interpretation.

3 Q. 130?

4 A. Yes. I might add the 1978 through 1980
5 interpretations include interpretations prior to
6 1961. And that's why it's on Page 221 as a 1944
7 interpretation.

8 And on Page 77, Interpretation Request 270.

9 Q. Do you have extra copies of these, by chance?

10 A. Those just happen to be the only ones I have in
11 this --

12 MR. WEAVER: Do you want copies?

13 MR. KAUFMAN: If you are going to
14 have copies run off.

15 MR. WEAVER: Let's take a
16 five-minute break to see where we are.

17 - - - -

18 (Thereupon, a recess was had.)

19 - - - -

20 Q. Mr. Bybee, in your report, you mention specific
21 sections of the National Electrical Safety Code,
22 and I'd like to discuss those with you, if I
23 can, at this time.

24 The first one you mentioned is 201H. Do
25 you have your report there with you?

1 A. Yes, that is the one I mentioned first.

2 Q. What does Section 201B deal with?

3 A. That is under the classification of application
4 of the rules and interpretations, and B is the
5 realization of intent. I'll hand you --

6 Q. Thank you.

7 Do I take it then that your listing or
8 mentioning of Rule 201 or Section 201B in your
9 report is for the purpose of establishing that
10 the National Electrical Safety Code applies to
11 the Illuminating Company in this case?

12 A. That is a specific portion that applies with
13 regard to application realization of intent on
14 existing installations.

15 Q. Turning to Rule 202, it's labeled "Minimal
16 Requirements," is that correct, sir?

17 A. Yes, sir.

18 Q. And it says "The rules state the minimum
19 requirements for spacing clearances and
20 strengths of construction for sample spacing and
21 clearances of greater strength of construction
22 may be provided if other requirements are not
23 neglected in so doing." Correct?

24 A. Yes, I believe. And then there's a final --

25 Q. The footnote says "Some of these minimum values

1 are exceeded. Service requirements frequently
2 call for stronger supports and higher factors of
3 safety than the minimum requirements of these
4 rules."

5 Do you believe, sir, that -- let me back
6 up.

7 In your opinion, did CEI in this case
8 violate Rule 201B? Or Section 201B?

9 A. It is violated from the standpoint that when
10 other codes or when succeeding codes and
11 documents, standards are issued, they do apply
12 to existing construction. And as such, in
13 recognition of the application of those later
14 standards, one way that the facilities can be
15 brought back into compliance should a new code
16 standard be issued is by placing guards on the
17 existing construction, as you'll note at the top
18 of the next page, which is part, I believe, of
19 the same section.

20 Q. Let me see if I understood you correctly. You
21 are saying that --

22 A. Let me see this.

23 Go ahead.

24 Q. That subsequent revisions of this code height
25 impose higher requirements?

1 A. That is correct.

2 Q. And when that happens, one way of meeting those
3 new requirements, should it affect a particular
4 installation, would be to place guards on the
5 wire?

6 A. Yes. Rather than new requirements, they may
7 really be considered additional requirements
8 because the National Electrical Safety Code is
9 not a design manual as such. With experience,
10 and that experience has been a dynamic thing
11 since 1913, when new information indicating the
12 insufficiency or inadequacy of certain
13 construction means, methods and materials, or
14 activities that occur in the lines -- for
15 example, the incident line along Wengler, if
16 initially in 1966 that was indeed not a
17 playground, then there would have been no
18 concern about compliance or recognition of the
19 activities that happened west of the houses
20 along the west side of Wengler.

21 But subsequently -- and I don't know. This
22 information I do not have. If the playground
23 was established, for example, in 1967 or '68,
24 then recognition of that activity must then be
25 taken by the owner and operator of the

1 electrical supply lines. And one of the ways of
2 accomplishing this additional hazard recognition
3 would be to place guards on the existing
4 facility or change it.

5 It is not the intent of the National
6 Electrical Safety Code to fail to recognize
7 changes in uses of the land or the property in
8 the vicinity of and underneath electrical supply
9 lines. So it's just a realization that existing
10 lines are covered, and this is a recognition
11 that a means of mitigating hazards that are --
12 or conditions that are shown to be less safe or
13 hazardous, whatever the case may be, or newer
14 activities, changes in activities, may be
15 mitigated by the placement of guards. Among
16 other things.

17 Q. The National Electrical Safety Code contains a
18 chart with the minimum height requirements for
19 bare conductors, does it not?

20 A. For some conditions. For several. In fact, for
21 several conditions. Not all conditions but --

22 Q. And where is that chart contained in the --

23 A. That would be Table 1, Section 232.

24 Q. And as you say, in the area where David Dewalt
25 was flying his kite, if those were houses in

1 that area instead of an open field -- okay? Do
2 you follow me so far?

3 A. Yes, sir.

4 Q. -- and the rear lot lines of those houses
5 adjoined the rear lot lines on Wengler Road,
6 according to Table 232, how high should the
7 primary wire have been in this case?

8 A. Well, in that case, of course, you do understand
9 that the National Electrical Safety Code does
10 apply to communication lines and electrical
11 supply lines?

12 Q. Right.

13 A. So that if you have a structure that contains --
14 it's considered a joint use structure, for
15 example, in this particular case in that on the
16 date of the accident, November 7th, 1982, it's
17 my understanding, and it has been provided to
18 me, that there existed on those structures, on
19 those poles, lines owned and operated by Ohio
20 Bell Telephone, a community antenna television
21 cable -- I don't know the name of the cable
22 TV -- as well as the primary and secondary lines
23 owned and operated by CEI.

24 In that case, one cannot ignore the
25 appurtenant attachments to the structure. You

1 can't ignore the telephone and cable TV.

2 But in the cases along immediately behind
3 the houses, for example, the areas, since they
4 are fenced and it's in an area of a fence, the
5 consideration for the electrical supply lines
6 only would be -- without the openings in the
7 fence suitable for an automobile or a truck to
8 pass through would be in the area of spaces or
9 ways accessible to pedestrians only.

10 Q. What is that height?

11 A. That's 15 feet for 750 to 15,000-volt lines in
12 Column 3, and for the neutrals would also be 15
13 feet. Now, what this does not say, for example,
14 one cannot infer from that that the neutral and
15 electrical supply lines or the energize
16 conductors have to be at 15 feet. What that
17 tell us, it is the minimum clearance. So the
18 neutral would be at 15 feet.

19 If the standard of framing applied for the
20 local utility, if the utility standard is a
21 four-foot spacing for a single-pole tangent
22 construction of a minimum of four feet except
23 where a transformer is installed, then it shall
24 be 60 inches, then one may utilize this
25 information to say that the neutral and the

1 secondary conductor in the particular
2 construction used there would have to have a
3 minimum ground clearance, or clearance from an
4 accessible surface or space, of 15 feet and then
5 CEI's standards would indicate that the minimum
6 supply line height of the energize conductor
7 would be 19 feet for a four-foot spacing or 20
8 feet for a five-foot spacing.

9 But -- and this is where recognition of the
10 other conditions must occur. The National
11 Electrical Safety Code requires a minimum of 40
12 inches clearance between electrical supply line
13 conductors and communications conductors. So
14 that that -- on a joint-use pole, the nearest
15 CATV, which is considered a communications
16 conductor, or the telephone cable would have to
17 be an additional 40 inches lower than that 15
18 feet. And the 15 feet is also included over in
19 Column 1 along with guys, messengers and
20 communications cables. So then we can see that
21 the lowest communications conductor has to be 15
22 feet.

23 The spacing between the communications
24 conductor and the lowest supply line has to be
25 an additional three feet four inches, and now we

1 keep pushing the size of the pole up.

2 Now, if this particular circuit, as it
3 stands on the day that I inspected it,
4 yesterday, November 9th, 1986, appears to be the
5 same configuration that was on the site on or
6 about November 7th, 1982, we have one additional
7 requirement. And that is that we have a
8 driveway that contains no affirmative
9 prohibition against vehicular traffic up to 14
10 feet.

11 Q. Which driveway are you talking about?

12 A. The driveway from Wengler into the playground.
13 With the gates. It's an asphalted driveway that
14 goes all the way into the Wengler playground.

15 Q. Okay.

16 A. And in that regard, we have a requirement -- for
17 example, under the public streets, alleys or
18 roads in urban or rural districts, that means
19 subject to --

20 Q. Well --

21 A. I'm trying to answer your question. And this
22 ends up being an 18-foot requirement for
23 communications. So at that particular span
24 which crosses the driveway, our lowest elevation
25 to the communications conductor is 18 feet and

1 then moves up accordingly.

2 Q. Right. Let me get back to this case. This case
3 did not happen in the area of the driveway. You
4 are aware of that? Correct?

5 A. No, sir.

6 Q. Do you know where this happened?

7 A. I do not have specific information concerning
8 which span was actually contacted by David
9 Dewalt's kite string.

10 Q. Well, let me ask you this then.

11 In your opinion, does the primary wire
12 which runs along the rear lot lines on Wengler
13 Road violate the height requirements of the 1961
14 National Electrical Safety Code? With regard to
15 pedestrian traffic?

16 A. With regard to only those areas that are
17 accessible to pedestrians?

18 Q. Right.

19 A. Based on my actual site inspection and what I
20 can take from the photographs, yes.

21 Q. Yes, what?

22 A. It does violate the provisions of the National
23 Electrical Safety Code.

24 Q. How?

25 A. With regard to the allowance or acquiescence by

1 the owner and operator of the utility lines to
2 allow apparently the home owners in that area
3 wherein the lines are constructed to reduce the
4 isolation by elevation on at least two of the
5 poles in that area and make them readily
6 climbable. They are not, in fact, isolated by
7 elevation and, thus, cannot meet the clearance
8 requirements for spaces accessible to
9 pedestrians only.

10 Q. What is readily climbable?

11 A. "Readily climbable" is a condition --

12 Q. You are saying the poles?

13 A. The poles --

14 Q. Those poles in the back are readily climbable
15 poles?

16 A. At least two of them are.

17 Q. How do you define -- which two are readily
18 definable?

19 A. I don't have a -- let me review photographs. I
20 don't have the pole numbers, the structure
21 numbers, but I may be able to describe to you.

22 The pole -- starting at the
23 southeasternmost corner of the Wengler
24 playground, there is a corner pole, a vertical
25 corner pole. And the poles -- numbering that.

1 corner pole as No. 1 heading in a northerly
2 direction, my recollection from my site
3 inspection yesterday is that Pole No. 3 and Pole
4 No. 5 are readily climbable.

5 Q. Are they on this photograph?

6 A. Yes, sir. It does not show them well. But the
7 corner pole is the pole that has the extension,
8 that very light extension there?

9 Q. Yes.

10 A. That's Pole No. 1. No. 2. No. 3 is the one
11 that has a cross arm on it and actually has an
12 insulator pin on it. Poles No. 3 and 5.

13 Q. Why is that pole readily climbable?

14 A. Because of the presence of in one case an out
15 building.

16 Q. A what kind of building?

17 A. Out building. A shed. A building that has been
18 built in close proximity, within six or eight
19 inches, of the pole and for which the fence and
20 that out building provide a means to gain access
21 to approximately I would estimate seven, seven
22 and a half feet above grade and within three and
23 a half feet of the pole steps which begin at
24 approximately ten feet, nine to ten feet.

25 Thus, you have a suitable number of

1 footholds and handholds for the average person
2 to approach the pole and the primary conductors
3 nearer than a safe distance.

4 Q. Of course, the fact that in your opinion that
5 pole was readily climbable had nothing to do
6 with this accident?

7 A. I believe I just indicated -- this was in
8 response to your question did I see anything
9 wrong with the areas in response to did the
10 primary conductors comply with the code
11 standards.

12 Q. Somebody has written on this photograph --

13 MR. WEAVER: Why don't we mark
14 this.

15 - - - - -

16 (Thereupon, Defendant's Exhibit A
17 was mark'd for purposes of identification.)

18 - - - - -

19 Q. Mr. Bybee, someone has written on this photocopy
20 of a photograph "This is segment of wire where
21 kite contacted." Is that your handwriting?

22 A. No, sir.

23 Q. And then there's a ball-point pen on there. See
24 that?

25 A. Yes, sir.

1 Q. If that is the area where, in fact, the kite
2 contacted the wire, let's try to confine our
3 discussion to that segment of the wire. Okay?

4 A. You are hypothesizing that that is the place
5 where it occurred?

6 Q. This is a document which you have just handed me
7 which is written on "This is segment of wire
8 where kite contacted"?

9 A. I agree that's what it says.

10 Q. Who gave you Exhibit A?

11 A. That was provided to me by Mr. Kaufman.

12 I had another photograph in here. Right
13 here. This one.

14 Q. When Mr. Kaufman gave it to you, was this
15 handwriting on it?

16 A. Yes, sir. I received that electrostatic copy of
17 a photograph with that handwriting on it. The
18 information in blue ball-point pen was provided
19 to me.

20 Q. Mr. Bybee, I'll hand you a sheet of paper and
21 ask you if you would draw a segment of line
22 between two poles on Wengler Road and at the
23 top, identify that as the primary wire and what
24 you see after that. Based on your visual
25 inspection and any photographs you care to

1 consult.

2 A. This is not a particular structure or anything,
3 just a --

4 Q. No. Wengler Road that you saw yesterday. The
5 wire that runs along the rear lot line. If you
6 want to just draw two poles and then connect all
7 the wires that you saw out there.

8 I think that's probably good.

9 A. No, that's not good.

10 Q. I didn't need all the detail of the
11 transformers. I really just want the primary,
12 the secondary, the neutral and the Ohio Bell
13 Telephone Company cable and cable TV.

14 You don't have to show those going in the
15 house.

16 A. I'm just trying to show a typical span
17 condition. And I'll label these.

18 And I'll just label this the "Typical span
19 construction at rear lot line/playground west of
20 Wengler Road."

21 Now, I'll label down through the center.

22 Q. Okay.

23 A. "Primary (7620 volts)." Circle that one.

24 Q. Okay.

25 A. The "Primary neutral, secondary triplex

1 underbuild," which, as I said, is what I call
2 that construction below.

3 The "CATV" is community antenna TV. And
4 "OBT" for Ohio Bell Telephone.

5 And those three conductors, the leaders
6 running from those, from the area of the
7 secondary triplex underbuild is what I would
8 call the service drop. That's typical. And
9 then there would be a telephone drop from the
10 lowest one. I don't recall the cable TV taps
11 from that area.

12 Q. You have done much more --

13 A. Than you asked for.

14 Q. Yes.

15 Now here is the Chart 232A for the 1961
16 National Electrical Safety Code.

17 Assuming this area to be accessible only to
18 pedestrian traffic, how high according to the
19 code should those wires be?

20 A. Now, assuming that those houses, out buildings
21 and other accessible surfaces are not present?
22 Is that what you want me to draw? Do you want
23 me to draw a six or seven-foot accessible road?

24 Q. No. We are talking about the area right where
25 this accident occurred.

1 A. I don't know that that's where it occurred. I
2 think I have already testified about that.

3 Q. Well, assuming it's not with the out house.

4 A. I'm going to put in here as a prequalification
5 then "No accessible surfaces."

6 Of course, I have not shown the pole steps
7 on here because I get too much detail in here.

8 Q. Right.

9 A. May I use the CEI standard construction methods
10 as I understand them to give you these heights?
11 To develop these heights?

12 Q. First, I'd like you to tell what the National
13 Electrical Safety Code requires in terms of
14 these heights.

15 A. Okay.

16 And no roadways, of course, in this area?

17 Q. Right.

18 A. Okay. The minimum height of any communication
19 lines, including CATV or Ohio Bell, for areas
20 accessible -- spaces and ways accessible to
21 pedestrians only is 15 feet. So we'll start
22 with 15 feet. And this is 15 feet 0 inches at
23 60 degrees Fahrenheit, no wind. That's the
24 condition that that's measured at.

25 That's to the maximum point of the lowest

1 communications conductor.

2 Q. The Ohio Bell Telephone wire?

3 A. In this particular case, it happens to be Ohio
4 Bell Telephone.

5 Now, with your particular construction --

6 Q. Where is your authority for saying that has to
7 be 15 feet?

8 A. That's Table 232, or Section 232A, Table 1,
9 under "Guys, messengers, communications
10 cables." That's the first column.

11 Q. Okay.

12 A. Now, the spacing between Ohio Bell Telephone and
13 CATV is not known to me. I know what CEI
14 provides for that spacing.

15 Q. What does the code provide for it?

16 A. The code has no requirement. The lowest -- it's
17 silent on that particular case. In the spacing
18 between communications utilities, that is by
19 joint agreement. In this particular case, I
20 happen to know from the distribution standards
21 of CEI that they provide a six-inch spacing
22 between -- that's the only space that's
23 available on the pole.

24 In the -- I don't have the section, but
25 I'll be happy to look it up. There is a

1 four-inch requirement between the communications
2 cable, whether it be CATV or the Ohio Bell
3 Telephone --

4 Q. And the secondary?

5 A. -- and the primary neutral, or the secondary
6 condition, which is the particular construction
7 used by CEI at this location.

8 Q. Would you please find that portion of the code
9 which contains that 40 --

10 A. 40-inch spacing.

11 Q. -- inch spacing requirement?

12 A. It'll take me a bit.

13 MR. WEAVER: Why don't you mark
14 this Exhibit B while he's looking for that.

15 - - - -

16 (Thereupon, Plaintiffs' Exhibit H
17 was mark'd for purposes of identification.)

18 - - - -

19 Q. I'll tell you what. To save time, if you would
20 agree to maybe look at this on your plane on the
21 way back to Santa Fe?

22 A. Oh, sure.

23 Q. And let Mr. Kaufman know, and he can tell me?

24 A. Yes. That's no problem. It's just the specific
25 citation, it's the same as 238E, but I'll go

1 ahead and provide it to Mr. Kaufman, and he'll
2 give it to you.

3 MR. WEAVER: Is that fair enough,
4 Paul?

5 MR. KAUFMAN: Sure.

6 Q. So now we are up to the secondary wire.

7 A. Yes, sir.

8 Q. I guess what you have drafted in here so far, it
9 would be 15 and a half, and 40 inches is three
10 and a half --

11 A. It's three and a third, actually.

12 Q. So roughly in round figures, the primary should
13 be 19 feet above ground? I'm sorry. The
14 secondary should be 19 feet above ground?

15 A. That's correct. And the construction from here
16 really depends on the particular construction
17 that's used. It's my understanding and from my
18 review of the CEI documents that it runs from 48
19 inches to 60 inches. 60 inches being the normal
20 construction between the primary neutral and the
21 primary phase wire. And I would put --

22 Q. Wait. Before you put something, I want to know
23 what the code requires in your opinion in terms
24 of spacing.

25 A. Oh, the minimum separation?

1 Q. Yes.

2 A. I'll have to look that one up.

3 Q. How high above ground should the primary wire be
4 in this case according to the code, assuming
5 it's accessible to pedestrian traffic?

6 A. Well, it has to be -- as I think I have already
7 testified, the minimum that any of these can be
8 is 15 feet. Now, we don't have -- if everything
9 else was gone, either the neutral has to be 15
10 feet or the phase wire has to be 15 feet.
11 Minimum. That's the minimum clearance at 60
12 degrees and no wind.

13 Q. I understand.

14 A. There is no other minimum requirement. Other
15 than the separations of conductors, which is
16 basically what we are talking about in the
17 communication --

18 Q. So what is the separation between these two, the
19 secondary and the primary?

20 A. And the primary neutral? There's none
21 required. No separation between the primary
22 neutral and the secondary triplex.

23 Q. No. Between -- this second wire on the diagram
24 is called the triplex?

25 A. That's the secondary wire.

1 Q. Right. Now, what is the spacing requirement
2 between that wire and the very top wire, which
3 is the primary wire?

4 A. The very top on this pole would be required by
5 the spacing from the transformer.

6 Q. Assuming no transformer, what --

7 A. Assuming no transformer?

8 Q. Right.

9 A. It could be within 12 inches of the primary.
10 But that is not the case you have here because
11 the construction is specifically for -- is a
12 transformer construction. According to CEI's
13 standards, that spacing should be 60 inches.

14 MR. KAUFMAN: I think Robin is
15 asking you is there something in the code that
16 gives a minimum separation between the very top
17 wire --

18 THE WITNESS: The primary and
19 the --

20 MR. KAUFMAN: Neutral. Does the
21 code specify anything?

22 A. For this particular just vertical construction,
23 ignoring the transformer? Is that --

24 Q. Right.

25 A. Assuming no transformers at all?

1 Q. Well, let's take both cases. One with a
2 transformer and one without.

3 A. Well, of course, if you go with a transformer,
4 you have to include the dimension of the
5 transformer itself. That we don't have. That's
6 contemplated by CEI's distribution standard in
7 allowing 60 inches between them for transformer
8 construction.

9 I believe at 7620, it's only six inches.
10 I'll have to find you the citation for that.

11 Q. If you could do the same thing. Just draw it
12 in. We'll take your word for it now, and then
13 let Mr. Kaufman know at a later date.

14 A. That would be probably six inches without
15 transformers.

16 Q. And put down the other with transformer.

17 A. What I'm going to do is in both of these where
18 I'm going to provide you with the citation, I'll
19 put a question mark in parentheses.

20 Q. Okay.

21 A. And I will put over here to the right that
22 that's 60 inches CEI standard. That's my
23 understanding.

24 Q. Right. Okay.

25 60 inches being five feet. Right?

1 A. Yes, sir.

2 Q. How high is the primary wire which runs along
3 the rear lot lines on Wengler Road?

4 A. It's my understanding that that was measured by
5 CEI after the accident. And, in fact, some
6 documents were produced, which I have not been
7 provided. I haven't seen them. And I believe
8 they indicated that the primary conductor was
9 some 27 or 28 feet above grade. I don't know
10 where that dimension was taken. I don't know
11 the conditions of measurement.

12 Q. Now, according to the measurements which you
13 have given us from the National Electrical
14 Safety Code, how high should that wire have
15 been?

16 A. Well, based on my understanding of the facility,
17 if the conductors in an area accessible to
18 pedestrians only, if that primary conductor was
19 in the area of 19 and a half to 20 feet, that
20 would comply with the minimum requirements of
21 the NESC.

22 Q. Now, based on your visual inspection which you
23 conducted yesterday afternoon, do you believe
24 the primary wire to have exceeded 20 feet?

25 A. It's my estimation that it appeared to in that

1 circuit along the west end, yes, sir.

2 Q. What is your best estimate as to -- if somebody
3 said how high is that primary wire in the
4 circuits you are talking about, how high would
5 you estimate that wire to be?

6 A. Somewhere at the structure at the point of
7 support. Probably 29 feet. Maybe 30 feet.

8 Q. How about the sag?

9 A. The sag is a little difficult to estimate. I
10 would say that it did not appear to me that
11 there was any phase wire probably lower than 25
12 feet.

13 Q. May I see your book again, please.

14 I think we were discussing Rule 202, or is
15 it Section 202 of the code?

16 A. They are called either way. It can be called
17 Section 202 or Rule 202.

18 Q. All right. Fair enough.

19 It talks about minimum requirements, and
20 you have mentioned this rule in your report,
21 have you not, sir, 202?

22 A. Yes, sir.

23 Q. Do you believe that CEI violated Rule 202 of the
24 code in this case?

25 A. Yes. On this circuit? Yes, sir.

1 Q. And please tell me how you believe the
2 Illuminating Company violated Section 202.

3 A. In that the circuit portion, the portion of the
4 circuit over the roadway --

5 Q. No, we are not talking about the roadway.

6 A. That's all the same circuit. Electrically it's
7 the same circuit. That's not a different
8 circuit in the span between the poles.

9 Q. You would agree, wouldn't you, that the code
10 specifies different height requirements for
11 roadways?

12 A. That's just an activity that occurs under that
13 particular circuit.

14 Q. Right.

15 A. The line cannot -- for example, this line is a
16 single circuit.

17 Q. Right. I understand.

18 A. And it must comply with the minimum requirements
19 throughout its entire length.

20 Q. Sure. Absolutely.

21 A. Now, you had asked me did that comply with the
22 minimum requirements.

23 Q. And you are saying no?

24 A. 202 indicates that these are minimum
25 requirements for clearances, spacing, et cetera,

1 and it is my opinion that the requirements are
2 not met. Now, that's all of the requirements.
3 That's not just Table 232, which is what we have
4 discussed and which, in fact, I don't even
5 concern myself with in the report.

6 But in the accessibility, the failure to
7 recognize the activities that are occurring in
8 the area, accessibility to climb it, the
9 clearance in the area of the roadway, the
10 failure, for example, to provide guy guards in
11 the area of downed guys that are in the
12 playground itself, I don't believe the minimum
13 requirements of the National Electrical Safety
14 Code are met with this circuit.

15 Q. Are those all the reasons that you believe the
16 minimum requirements of the National Electrical
17 Safety Code were not met?

18 A. No, sir. I have others in my report which I
19 presume we are going to get to, 211, 213, 214A,
20 230E and 280.

21 Q. 210 says "All electric supply communication
22 lines and equipment shall be of suitable design
23 and construction for the service and conditions
24 under which they are to be operated."

25 A. I agree that that generally says that, yes, sir.

1 Q. Do you believe that CEI in this case violated
2 Section 210?

3 A. I believe that the exposed wire construction in
4 the area of the playground is unsuitable. I
5 don't believe that it meets the requirement for
6 intended operation.

7 Q. And how doesn't it meet the requirement?

8 A. Because of the foreseeability of children flying
9 airplanes, flying kites. And the fact that the
10 what I consider readily climbable structures are
11 in the area of the playground. I think that
12 it's unsuitable, inappropriate and noncomplying
13 construction.

14 Q. How high in your opinion should the primary wire
15 in this case have been?

16 A. I don't think you can get it high enough to
17 preclude the possibility of contact with kite
18 strings and model airplane guidelines.

19 Q. So in your opinion, the height of the primary
20 wire in this case is really irrelevant?

21 A. That's correct.

22 Q. You have also listed Rule 211, which says "All
23 electric supply and communication lines and
24 equipment shall be installed and maintained so
25 as to reduce hazards to life as far as

1 practicable." Correct?

2 A. That's correct. That's my recollection of what
3 this section says.

4 Q. Do you believe that CEI violated Rule 211?

5 A. I believe that Rule 211 was violated on
6 November -- prior to November 7, 1982. I
7 further believe that on --

8 Q. How was it violated?

9 A. In the same manner that I had indicated before,
10 in that it is nonsuitable construction of the
11 facilities for the recognized activities that
12 occur in the vicinity and under those lines.

13 I further believe that on November 9th,
14 1986, that construction is still in violation
15 with the accessibility to climbing and other
16 materials or the other conditions that I have
17 noted based on my site inspection.

18 Q. You have also listed Rule 213 in your report,
19 and that deals with inspection and tests of
20 lines, equipment, Part A, when in service, and,
21 Part B, when out of service. You don't mention
22 Part A or Part B here in your report, but
23 obviously these lines were in service so we are
24 dealing with Part A. Is that correct?

25 A. That's my understanding, yes.

1 Q. In your opinion, has CEI violated Rule 213A?

2 A. I don't believe they complied with it. Which is
3 the same as violation.

4 Q. How has CEI failed to comply with Rule 213A?

5 A. For example, in 213A, which requires initial
6 compliance with the rules. I am not confident,
7 as I testified earlier, that the Wengler
8 playground was, in fact, an identified facility
9 in 1966 when it was built. But if it were, in
10 fact, a condition and what later became a
11 driveway was, in fact, a house lot, then I don't
12 have the problems with clearance in 1966 because
13 it was not anticipated as a throughway from
14 Wengler Street into the playground area.

15 If, however, Wengler playground was part of
16 the original plat of that particular subdivision
17 and was an intended use of that land, then I
18 don't believe that the facilities there complied
19 with the National Electric Safety Code when they
20 were first energized or energized for the first
21 time, first placed in service.

22 213A2 as far as inspection, lines and
23 equipment shall be systematically inspected from
24 time to time by the person responsible for the
25 installation, I don't believe -- based on the

1 information provided to me, including the
2 depositions of Mr. Smith and Mr. Douglas and my
3 review of the CEI super grid article in
4 Transmission/Distribution Magazine, I don't
5 believe that that complies with the requirements
6 for inspection for safety or clearances or any
7 other requirements of the National Electrical
8 Safety Code.

9 I believe that -- it's my interpretation
10 and my opinion that the super grid inspection is
11 a reliability inspection and does not go to
12 maintenance of code standard clearances or the
13 portions that we talked about in Rule 202 under
14 minimum requirements.

15 The rest of them I think are really moot.
16 If the lines were not installed initially in
17 compliance with the rules and if they weren't
18 subsequently inspected on a systematic, periodic
19 basis for compliance with the rules, then the
20 rest of them really don't make a lot of
21 difference. It's just not complied with.

22 Q. Moving over to 214A that you mentioned in your
23 report, which deals with current-carrying parts,
24 it says "To promote safety to the general public
25 and to employees not authorized to approach

1 conductors and other current-carrying parts of
2 electric supply lines, such parts shall be
3 arranged so as to provide adequate clearance
4 from the ground or other space generally
5 accessible or shall be provided with guards so
6 as to isolate them effectively from accidental
7 contact by such persons."

8 In your opinion, did CEI violate Section
9 214A of the National Electrical Safety Code?

10 A. Yes, sir.

11 Q. And please tell us how.

12 A. There are actually two conditions. One is a
13 result of my field inspection at the site. The
14 first case, when one has a foreseeable,
15 recognized activity occurring. For example, as
16 in a playground. Among those activities are
17 flying of kites, model airplanes and the other
18 activities that occur on playgrounds.

19 It is not only foreseeable; it's recognized
20 that children fly kites. And with aerial
21 construction of that nature on the boundaries of
22 the playground, it's foreseeable also that the
23 contact between model airplane guidelines and
24 kite guidelines will occur. Therefore,
25 isolation by elevation is simply not a practical

1 way to approach the problem.

2 I think further, as I have discussed
3 earlier, the acquiescence of CEI, the owner and
4 the operator of the utility, in allowing
5 residents along Wengler to construct or place
6 structures or constructions such that the
7 isolation intended by CEI is abrogated or that
8 space is intervened by what a resident is doing
9 fails to meet the compliance requirement in that
10 children or other members of the public can by
11 access of the fences and those structures gain
12 access to the pole steps and approach the
13 energized conductors nearer than a safe
14 distance.

15 And I might add that it is my opinion that
16 the residents who are doing this are not
17 violating the National Electrical Safety Code
18 because it doesn't apply to them. What they are
19 doing is causing the utility to be in violation
20 of the code. And I do think that there are some
21 remedies that are available to the utilities to
22 prevent that.

23 Q. The next rule you mention is 230E, and that's
24 entitled "Maintenance of Clearances." Correct?

25 A. Yes, sir.

1 Q. It says "The clearances required by this section
2 shall be maintained at the specified values."

3 Is that correct?

4 A. That's correct.

5 Q. In your opinion, has CEI violated Rule 230E in
6 this case?

7 A. That one is another area where if one makes the
8 assumption, and it has to be an assumption at
9 this point, that the lines complied with the
10 rules when initially installed, the evidence
11 suggests that assurance of continued compliance
12 has not been met by their failure to inspect for
13 safety.

14 For example, loose down guys, which are
15 obvious in even a drive-by in the area, leaning
16 poles, indicate that there has not been an
17 assurance of maintaining clearances. And
18 essentially that section requires that once the
19 minimum clearances are met, those must be
20 maintained in perpetuity or as long as the lines
21 are energized.

22 I think that that is really in concert with
23 the inspection provisions under 213.

24 Q. So in your opinion, CEI violated Rule 230E?

25 A. There's no evidence that they have complied,

1 which is a violation.

2 Q. The next rule you mention is Rule 280, which
3 deals with -- it's quite a lengthy rule --
4 supporting structures for overhead wires. Is
5 that correct?

6 A. That's correct.

7 I believe the area that is of concern is
8 280A1 and 2.

9 Q. Okay. Thank you.

10 280A1 is entitled "Rubbish, poles and
11 towers shall be placed, guarded and maintained
12 so as to be exposed as little as practicable to
13 brush, grass, rubbish or building fires."

14 I take it you believe that CEI violated
15 this rule?

16 A. I don't believe that they have complied with
17 it. That's a violation, yes, sir.

18 Q. How has CEI violated Rule 280A1?

19 A. The structures along the west lot line off of
20 Wengler not only have -- let me get that. They
21 have neither been guarded nor maintained to be
22 exposed as little as practicable to brush,
23 grass, rubbish or building fires.

24 As I indicated, in one case, there's -- I
25 call it an arbor or a pergola, a grapevine

1 holder that's about seven feet high in very
2 nearly intimate contact with the pole, and vines
3 are actually surrounding the pole.

4 There is -- since they are eased on private
5 property -- at least that's my understanding. I
6 have not seen a survey that certifies that. But
7 it certainly is my understanding that everybody
8 believes that the pole line is on an easement on
9 private property, and it does, in fact, appear
10 to be.

11 There are no indications of brush, weeds or
12 rubbish removal in and around those poles. In
13 fact, there have been materials tacked onto the
14 poles that shouldn't, in fact, be there, which
15 is a violation of another section. Actually
16 it's under 280, but it's another section.

17 I do not believe that the Section 280A is
18 met. For example, what I'll refer you to is on
19 280A7. The requirement to prevent obstructions,
20 nails, signs, foreign attachments to power lines
21 or to structures, to power pole structures. And
22 it's obvious that a good number of those are
23 many, many years old. They weren't put on just
24 before my visit yesterday.

25 Q. What wasn't put on?

1 A. Signs. Wire hook attachments. Which appear to
2 either be clotheslines or whatever on the
3 structures themselves. As the CMI pole is used
4 as a terminal point for maybe somebody's
5 clothesline. Or for antenna guys or whatever.

6 Q. Are you prepared to testify that you have
7 personal knowledge that that existed on November
8 7th, 1982?

9 A. No, sir, I'm not. I'm saying that it was
10 present yesterday. I have not reexamined the
11 photographs with the knowledge from my site
12 inspection. I did, in fact, photograph, make
13 photographs or take photographs yesterday. I
14 haven't had them --

15 Q. How many photographs did you take?

16 A. 48.

17 Q. Color or black and white?

18 A. Color. I presume they will all result in -- I
19 presume they will result in prints, but I did
20 snap the shutter 48 times.

21 Q. Do you believe that Rule 280A2 was violated?

22 A. Yes, sir.

23 Q. Tell us how, please.

24 A. As I testified earlier, there is no protection
25 against climbing. Which is a way of saying

1 about as close as the code came in 1961 in the
2 6th edition to prohibition against readily
3 climbable structures. And, in fact, it is my
4 opinion that those structures are readily
5 climbable.

6 I think that the other --

7 Q. Excuse me. Does this code define "readily
8 climbable structures"?

9 A. That was not defined until 1977. As a
10 particular American national standard
11 definition.

12 Q. What is the definition in the 1977 code?

13 A. Having a sufficient number of -- well, I can
14 tell you in the '81. Rather than paraphrasing,
15 let me read it directly. It's the same as the
16 1977.

17 "Readily climbable" is defined in the 1981
18 edition, as it was in the 1977 edition of the
19 National Electrical Safety Code, as "having
20 sufficient handholds and footholds to permit an
21 average person to climb easily without using a
22 ladder or other special equipment."

23 Q. Do you agree with that definition?

24 A. Yes, sir.

25 The other portion of 280A2 in protection

1 against climbing is that for those persons
2 responsible for the operation and safety of
3 electrical supply lines, the notice is made that
4 regularly traveled thoroughfares or places where
5 people frequently gather, such as schools or
6 public playgrounds, are a special case to be
7 recognized by the owners and operators of the
8 system. In that it eliminates that from just
9 routine consideration. And it is, in fact, a
10 recognition that when one is concerned with
11 gathering places, that specific attention must
12 be paid to the nature of that kind of activity.

13 Q. That was your testimony with regard to how CEI
14 violated 280A2a?

15 A. Yes, sir.

16 Q. And b?

17 A. It's not a violation. It's a failure to
18 recognize the activities that are addressed in
19 that area.

20 And again the National Electrical Safety
21 Code is not an all encompassing design manual.
22 It just refers to a number of conditions, but it
23 does not even purport on its face to address the
24 conditions as I noted earlier.

25 Q. Do you believe that CEI violated Rule 280A3

1 entitled "Warning Signs"?

2 A. I don't believe that the areas that I did see
3 immediately adjacent to Wengler playground
4 were -- this applied, where warning signs
5 applied violate the section where they had
6 latticed towers. They say "Danger, Do Not
7 Climb, High Voltage Above." I accept that as an
8 equivalent to "Danger, Do Not Touch." On those
9 areas where I see that it would apply.

10 Q. Well, if it doesn't apply, tell me, and we can
11 move on to another section. So you don't think
12 280A3 applies to this case or not?

13 A. No, sir.

14 Q. Doesn't apply?

15 A. I do not believe it applies.

16 Q. Does 280A4 apply?

17 A. I don't believe so.

18 Q. I would take it 280A5 wouldn't apply either? Or
19 if I'm wrong, correct me.

20 A. That's incorrect. My opinion is that --

21 Q. It does apply?

22 A. 280A5 does, in fact, apply.

23 Q. And that's because you believe that metal steps
24 are closer than six and a half feet to a readily
25 accessible place?

1 A. Oh, I know that, yes, sir.

2 Q. Is that the reason you believe this wasn't
3 complied with?

4 A. I believe that that was not complied with based
5 on my investigation. As I say, I have not gone
6 back and reviewed the temporally related
7 photographs to see if some of those conditions
8 have changed.

9 Q. Well, assuming they existed in 1982, you believe
10 this section was violated how?

11 A. In fact, I believe that it was violated because
12 in 1981, or 1977, the requirement was changed to
13 eight feet from a generally accessible surface.

14 Q. How was this not complied with, this rule,
15 280A5?

16 A. Because even the fences that are adjacent to the
17 poles, some of which appear to -- do appear in
18 the photographs that I have seen temporally
19 related to the accident, reduce the clearance to
20 the first pole step, absent any intervening or
21 interfering foreign structures, to less than six
22 and a half feet. With the structures, it's even
23 less than that.

24 Q. Did CEI comply with Rule 280A6 in this case?

25 A. I don't know. I don't believe that I have been

1 provided with any information. And I certainly
2 did not look at the pole brands to see if CEI
3 was identified. I assume based on the CEI
4 documents that I have been provided with that
5 CEI does, in fact, know where its lines are and
6 know what those lines consist of. So I see no
7 problem with that.

8 Q. Now, you mentioned earlier -- were there any
9 other portions of 280 we should discuss?
10 Portion B?

11 A. I think No. 7 we had discussed earlier.

12 Q. Yes, we already discussed that. We said one of
13 the subparts didn't apply. I don't know whether
14 it was B or C.

15 A. 280B does not apply.

16 Q. How about 280C?

17 A. I don't believe that that applies.

18 Q. So in 280, we are really talking of 280A,
19 correct, in terms of the applicable provision to
20 this case?

21 A. Yes. And I think, as I indicated earlier when I
22 included the 1981 through 1984 inclusive
23 interpretations, there was the additional
24 interpretation request I noted at IR349, having
25 to do with tree trimming, which, based on my

1 visit yesterday, confirms that essentially no
2 tree trimming has occurred since those
3 photographs shown as Exhibit A.

4 Q. Are you prepared to testify under oath that
5 there has been no tree trimming in that period
6 of time? Do you want to stake the outcome of
7 this case on that testimony?

8 MR. KAUFMAN: Show an objection.

9 A. I really am not particularly interested in the
10 outcome of this case.

11 Q. Tell me in your own words, Mr. Bybee, how CEI
12 was negligent in this case.

13 A. I believe it's covered in my report.

14 Q. I know. I want you to tell me in your own
15 words.

16 A. Those are my own words. I wrote this report.

17 Q. You are not going to be able to read this report
18 at trial, sir. If a question is asked to you
19 "Do you have an opinion based upon reasonable
20 electrical engineering certainty as to whether
21 CEI violated or was negligent in this case," I
22 presume your answer is going to be "Yes," and
23 then the next question is going to be "Please
24 tell us how." That's what I want you to do
25 right now, is tell me how.

1 A. The Wengler playground was identified and was
2 known by CEI to be identified as a playground,
3 as a playground for children where children and
4 other members of the public congregated to
5 participate in foreseeable playground
6 activities. And among the foreseeable
7 playground activities, for children for example,
8 and some adults, are flying kites, flying model
9 airplanes, with guidelines, not only just remote
10 controlled airplanes, running, climbing, any
11 number of anticipated activities.

12 The presence of exposed supply lines that
13 relied solely on isolation by elevation could
14 not and will not meet the requirements, the
15 intent of the National Electrical Safety Code
16 for the practical safeguarding of life and
17 property from the hazards that arise from those
18 electrical operations.

19 The failure by CEI to recognize these
20 activities and to properly mitigate those
21 hazards was in my opinion the sole proximate
22 cause of the incident in which David Dewalt was
23 injured.

24 Q. Doesn't your opinion boil down to the fact that
25 there was a playground which ran -- or a field

1 which ran along the -- or adjoined the rear lot
2 line of the property on Wengler Road?

3 A. Would you repeat that?

4 Q. Sure. Read it back to him.

5 - - - -

6 (Thereupon, the requested portion of
7 the record was read by the Notary.)

8 - - - -

9 Q. Let me amend that and say doesn't the basis for
10 your opinion hinge on the fact that this field
11 abuts the rear property lines of the houses on
12 Wengler Road?

13 A. Only to the extent that the rear property line
14 or the lot line also happened to be the location
15 of the electrical supply lines, not just because
16 they were abutting.

17 Q. Sure. Let me rephrase my question.

18 If instead of an open field adjacent to the
19 rear lot of Wengler Road, there had been houses
20 back there, in your opinion this line would have
21 complied with the National Electrical Safety
22 Code. Isn't that correct?

23 A. If, in fact, what has been identified as Wengler
24 playground was just an empty lot? Am I
25 understanding your --

1 Q. Just an empty lot--

2 MR. KAUFMAN: He was saying houses
3 butting up against -- you know, one backyard
4 butting up against another backyard.

5 MR. WEAVER: Right.

6 A. Absent the recognized activity or the use of
7 that property as a playground, as an intended
8 playground, I have no problem with the
9 particular designations of the property. If it
10 were just vacant land or, indeed, used for some
11 other purpose other than a recreational facility
12 or place where persons congregate, a school yard
13 or a playground, then I have no problem with
14 that.

15 Q. That's why I said the essence of your opinion
16 boils down to the fact that there is a field
17 abutting the CEI right of way?

18 A. No. It's a playground. Specifically. Not just
19 a field. It is a playground.

20 MR. KAUFMAN: Or it was on the date
21 of the incident.

22 Q. With all due respect, you're an expert in
23 electrical engineering, but that's an issue
24 which is going to have to be resolved in this
25 case by a jury. Okay? Whether it's a

1 playground or a field.

2 Let's assume for the sake of argument right
3 now that it is a playground. But for the fact
4 that there was a playground there, that's what
5 this really boils down to, the fact that in your
6 opinion, this constitutes a playground, and,
7 therefore, CEI violated the National Electrical
8 Safety Code in the respects you have told us?
9 Is that correct?

10 A. It is my opinion that the facilities, the space
11 where David Dewalt was injured, was identified
12 as a playground, was recognized by CEI as a
13 playground --

14 Q. What evidence do you have on that?

15 A. It's marked on their documents that it is a
16 playground. As early as 1970. That have been
17 provided to me. There may be others. But at
18 least on one document and on subsequent
19 documents prior to the accident, or prior to
20 November 7th, it was indicated on CEI documents
21 that that was a playground.

22 I do not hold, for example, a utility
23 responsible or the supplier responsible if a
24 rare, infrequent, unforeseeable contact of this
25 nature occurs. On a playground, I do. I think

1 it is of critical importance that the playground
2 activity is recognized.

3 Q. Have you ever been consulted by a public utility
4 to design a distribution system?

5 A. Only from the standpoint of municipal electrical
6 utilities or small rural electrical utilities.

7 Q. If you had been contacted -- if someone had
8 asked you "We are going to put this -- we have
9 got these customers to serve on Wengler Road,
10 and we want to give them service," how would you
11 have designed this system?

12 A. You mean what are the design alternatives to --

13 Q. In your opinion, what would good engineering
14 practice require?

15 A. It would require, for example -- I see no
16 compelling engineering reasons to serve this
17 particular row of houses from the rear lot line
18 when all the adjacent streets are fed from the
19 front lot line. So relocation would be
20 sufficient in my opinion.

21 With the single notable exception of the 33
22 kV line that borders the north end.

23 I think that my recommendation to the
24 utility -- and we are presuming the hypothetical
25 case where I was hired by CEI, for example?

1 Q. Right.

2 A. I would have recommended they install those
3 insulated conductors, the 33 kV conductors, two
4 spans further to the east. It's two additional
5 spans of 33 kV. They have approximately 15
6 spans to the west. I would have had them extend
7 them.

8 Q. Why is that?

9 A. To get those conductors out of reach by children
10 using that park.

11 The other option that was available is
12 undergrounding those conductors. I would have
13 no problem as an engineer utilizing tree cable.

14 Q. I'm not familiar with tree cable. What is tree
15 cable?

16 A. Tree cable is a covered conductor which does
17 prevent -- it would have prevented an accident
18 such as David Dewalt was in. It would have
19 prevented an accident from a wet kite string,
20 for example. But it's not a fully insulated
21 conductor. It reduces the values to low enough
22 values to prevent casual contact kinds of
23 things, kinds of injuries.

24 I presume that is available, although I
25 believe in my understanding of the portions --

1 and I've only seen two portions of the
2 distribution standards. I think it's Section 1
3 and 3. But it does appear that CEI does have a
4 covered cable that is not used for insulation
5 but consists of a thermoplastic or thermosetting
6 insulation, or covering rather than insulation,
7 that would prevent injuries from casual kinds of
8 contacts.

9 I would expect, as I said, undergrounding
10 with insulated cable if they wanted to remain on
11 the back side. But if that were done, one must
12 still address the 33 kV problem as I see it from
13 the north side.

14 Q. You also said that good engineering practice
15 could have meant locating these wires in the
16 front of the property on Wengler Road?

17 A. I saw no compelling reason from the sections of
18 the circuits for the lines to be located on the
19 back lot lines.

20 Q. It just as easily could have been located in the
21 front?

22 A. Certainly. There may have been some aesthetic
23 considerations. I did note in the distribution
24 standards that there is an aesthetic plan whose
25 implementation is being attempted, and certain

1 guidelines have been -- or certain policy
2 guidelines have been established. Again, I
3 would presume that if I were indeed hired by
4 CEI, I would have to work within their
5 guidelines, which would not be -- which may, in
6 fact, eliminate some of the operations that I
7 might consider available.

8 Q. You mentioned you were out there yesterday
9 afternoon, out there at the accident site, for
10 approximately two hours. You told us you took
11 48 photographs. What else did you do out
12 there? Did you take any measurements, for
13 example?

14 A. No, sir. I brought nothing to measure with. I
15 estimated heights based on the sizes of some of
16 the terms that I knew and heights of some that
17 were -- and it was purely estimates. I didn't
18 see -- for example, I took a photograph with Mr.
19 Kaufman in it -- I presume he indicated his true
20 height to me -- in areas like across the road
21 construction that would indicate that that was
22 under 12 feet of clearance between Ohio Bell
23 Telephone occupying this structure of, I
24 presume, CEI's. I do not know of any
25 independent knowledge of my own that that

1 structure is, in fact, owned by CEI, the poles.

2 Q. Which structure?

3 A. The poles. Since they are joint use, in some
4 areas in outlying districts, the poles may, in
5 fact, be owned by the telephone company and
6 occupied by CEI under a joint-use agreement. I
7 have no information concerning that.

8 Q. You told us you made no notes of your trip?

9 A. No, sir. I took photographs only.

10 Q. So basically for two hours, you just visually
11 inspected the area and took photographs?

12 A. I walk around. Yes, sir. I walked around the
13 entire park. I looked at various structures. I
14 photographed certain portions of the
15 construction.

16 The last probably 15 or 20 minutes was
17 spent in kind of a driving review with
18 occasional stops at my request by Mr. Kaufman.

19 For example, checking out the western
20 termination of the 33 kV cable and going along I
21 believe it was Shelby and Roberts Streets,
22 passing by and having him slow down so I could
23 see the method of distribution that was used in
24 that area.

25 And I took photographs, of course.

1 I did talk to two young boys. I think I
2 indicated earlier.

3 Q. Yes, you told us that.

4 Do you think it was important that you make
5 a visual inspection of the area?

6 A. Definitely.

7 Q. Why is that?

8 A. For one thing, the photographs that were
9 provided to me do not clearly depict the
10 facilities in a manner that I can determine
11 anything without having an on-site inspection.
12 And I would prefer to make my own determinations
13 based on what is there versus what was there
14 rather than relying on even another engineer to
15 tell me what he saw. I would let the other
16 engineers talk about what they saw and what they
17 think about it, but for my opinion, other than
18 just a review of photographic evidence, I don't
19 mind doing that and seeing from that.

20 But it is important from my standpoint
21 certainly prior to being deposed or testifying
22 under oath that I do see the ground upon which
23 this occurred.

24 Q. Have you given us all of your opinions as to why
25 you believe CEI was negligent in this case?

1 A. I believe so. I've certainly tried to respond
2 to every question you asked me.

3 Q. Oh, I'm not suggesting you haven't. I just want
4 to know if I have asked questions sufficient to
5 elicit all of your opinions. Are there other
6 opinions which I have not elicited through my
7 questioning?

8 A. I believe we have covered them all because I do
9 believe that our discussions have run past my
10 report of March 27th and do encompass additional
11 opinions that I have reached as a result of the
12 inspection.

13 Q. I noticed in reviewing your file that you made
14 certain comments or underscored certain portions
15 of the medical records with regard to the burns
16 in this case. Correct?

17 A. That you noticed that? Or that I did it?

18 Q. Is that, in fact, an accurate statement?

19 A. I believe that I had made some red marks around
20 some of the medical information, yes, sir.

21 Q. Does the medical information which you reviewed
22 have any bearing on your opinion as to CREI's
23 negligence?

24 A. I believe, as I have testified earlier, the only
25 continuing thing that that was used for was to

1 try to determine with as much precision as
2 possible exactly what the injuries were. And as
3 I had indicated, I had only seen photographs of
4 injuries during the latter processes of healing
5 or repair at that time. So I was trying to
6 reconstruct what the doctors said they saw to
7 those photographs.

8 Q. In the Rogers case, how did that little boy --
9 how was that little boy injured?

10 A. Actually he was a 21-year-old. He was riding on
11 top of a well rig and contacted a 14,400-volt
12 line with the back of his neck.

13 Q. You say riding?

14 A. Riding on top of a well rig. His purpose on top
15 of that well rig was to be lifting lines.

16 Q. And how did the injury occur on the David Jones
17 case?

18 A. David Jones, is that Trinidad, Colorado?

19 He was on the roof of his house helping his
20 father reroof the house.

21 It's my opinion --

22 Q. No, I don't want your opinions on --

23 A. No. Well --

24 Q. I mean you can give them to me, but we'll be
25 here all day.

1 A. No, no. I'm telling you what my opinion was of
2 what happened.

3 Q. Okay.

4 A. Which is not exactly what I was told had
5 happened.

6 Q. Okay.

7 A. My opinion was that the young man jumped with
8 his left hand on the neutral wire up and grabbed
9 the phase wire on a line that was about 7200
10 volts.

11 Q. What were the circumstances in the David Higgs
12 case?

13 A. David Higgs climbed up a readily climbable pole
14 adjacent to a school ground to retrieve a kite
15 that had gotten entangled in exposed supply
16 lines across the school yard.

17 Q. What was the voltage?

18 A. 7620.

19 Q. And what about the Montanez case; what were the
20 facts surrounding that injury?

21 A. Montanez was a man who worked as a truck driver
22 for an electrical contractor and misunderstood
23 directions, went out to a site accompanying a
24 lineman to disconnect a service, attempted to
25 climb and disconnect what he thought was

1 secondary conductors and contacted conductors in
2 the range of 7000 volts. I don't recall whether
3 it was a 13.2, 13.8.

4 Q. What were the circumstances surrounding the
5 injury in the Schleft case?

6 A. Schleft was a transformer platform mounted in
7 the school yard, installed in the school yard in
8 the late 1940's. Conduits were attached to
9 those poles with straps located approximately
10 three foot on centers. Two boys during the
11 summer climbed the pole, got up on the
12 platform. One of them contacted exposed
13 electrical line five feet or so above the
14 platform, fell off the pole, was injured.

15 The other boy climbed down the same way he
16 went up. That's a readily climbable.

17 Q. And Gary Andrews?

18 A. Gary Andrews was a lineman working for TVA who
19 was injured in a fall from a large pole,
20 transmission pole. This is more in the line of
21 practices within the line industry for linemen.

22 Q. How about in the Chavez case?

23 A. Chavez Grado case?

24 Q. Yes.

25 A. Southwestern Public Service?

1 Q. Right.

2 A. Four men were attempting to unload, deliver and
3 unload a large irrigation water tank on an
4 agricultural property, a pecan farm actually.
5 The electrical supply lines had sagged to the
6 point where they contacted the tank.

7 Q. So it was a clearance case?

8 A. Maintenance. Maintenance, clearance and
9 inspection primarily. And two men were killed.

10 Q. How about the Alarcon?

11 A. Alarcon was a case in a subdivision wherein an
12 older boy, Mr. Alarcon, tied the tennis shoes of
13 a younger boy together, threw them up on a power
14 line. Then by moving some boxes and a grill and
15 materials over to a wall, jumped up on the roof
16 of a house, jumped across approximately 40
17 inches of space onto a power line, grabbed the
18 telephone conductors, climbed up to the top of
19 the pole and was fooling around at the top of
20 the pole and slid down onto the phase conductor
21 while standing on the neutral conductor.

22 Q. And the Aitken?

23 A. That was a case in which a man was attempting to
24 move a citizen's band antenna, actually with
25 motorized radials on it, and the antenna got

1 away from the man on top of the roof after the
2 man on the ground let go of it and fell into a
3 powered line, injured him.

4 Q. Phillips?

5 A. Phillip was a case in which two men were working
6 for a sign company. One man was up on a ladder,
7 an uninsulated ladder, replacing the lamps in
8 the sign and contacted the exposed power line,
9 7200 volts, and was electrocuted.

10 Phillips was his assistant on the ground,
11 who ran, jumped onto the truck, ran up the
12 ladder to attempt to retrieve his injured work
13 mate, received an electrical shock because his
14 injured work mate was still in contact with the
15 line, fell some 25 feet to the ground, striking
16 his head on the curb and actually dying of
17 traumatic injuries but precipitated by the
18 electrical shock.

19 Q. The Knoll case?

20 A. Knoll was a case in which a man who was
21 operating as a rigger in a large precast
22 concrete foundry, even though it's not steel,
23 but it was a concrete precast operation in
24 Colorado Springs in which a large crane,
25 four-legged crane device with a 60-foot long

1 strong back, is what it's called, picked up a
2 double T or picked up a large piece of concrete
3 or some forms and contacted a -- I believe it
4 was 6900-volt delta system. And he was injured
5 because of the pallet. The electricity actually
6 entered into his hand and exited his foot.

7 Q. And, finally, the Rodriguez case?

8 A. Rodriguez was a cement finisher working on a
9 five-story parking structure in Denver. He was
10 using a 20 or 25-foot long bowl float handle,
11 which is an aluminum handle, extension handle.
12 And he contacted an exposed power line at 7620
13 in the alley adjacent to the structure. The --
14 again my opinion is that the electrical shock
15 caused him to fall approximately five stories,
16 striking his back on a railroad track and
17 severing his spine. I believe the death was
18 really from the fall rather than the
19 electricity.

20 There is one other that I've just
21 remembered in talking about that that I hadn't
22 discussed earlier.

23 Q. Okay.

24 A. The name of Mignardot, M-i-g-n-a-r-d-o-t. This
25 is for deposition. That has not been taken to

1 trial. The utility involved is Kit Carson
2 Electric Cooperative.

3 Q. K-i-t --

4 A. Kit Carson. K-i-t and then C-a-r-s-o-n.

5 Q. Where is that case pending?

6 A. In New Mexico. And my client represents the
7 injured party.

8 Q. How was this Mr. Mignardot injured?

9 A. He was a commercial painter, painting
10 contractor, that while painting a water tank on
11 a school yard, or for a school facility,
12 contacted an electrical line with the extension
13 of his roller and fell to the ground and was
14 injured thereafter. But he did sustain both
15 electrical and traumatic mechanical injuries.

16 Q. Have you published any articles, Mr. Bybee?

17 A. No, sir. Not concerning electricity. When I
18 was working for Reynolds Electric, I did author
19 some articles on bidding and field practices.
20 But that's well over 20 years ago.

21 MR. WEAVER: Let me take a couple
22 minutes. I think we're about done.

23 - - - -

24 (Thereupon, a recess was had.)

25 - - - -

1 MR. WEAVER: That's all the
2 questions I have. Thank you, Mr. Bybee.

3
4
5 ROGER W. BYBEE
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

C E R T I F I C A T E

The State of Ohio,) SS:
County of Cuyahoga.)

I, Lynn D. Thompson, a Notary Public within and for the State of Ohio, authorized to administer oaths and to take and certify depositions, do hereby certify that the above-named ROGER W. BYBEE, was by me, before the giving of their deposition, first duly sworn to testify the truth, the whole truth, and nothing but the truth; that the deposition as above-set forth was reduced to writing by me by means of stenotypy, and was later transcribed into typewriting under my direction; that this is a true record of the testimony given by the witness, and was subscribed by said witness in my presence; that said deposition was taken at the aforementioned time, date and place, pursuant to notice or stipulations of counsel; that I am not a relative or employee or attorney of any of the parties, or a relative or employee of such attorney or financially interested in this action.

IN WITNESS WHEREOF, I have hereunto set my hand and seal of office, at Cleveland, Ohio, this _____ day of _____, A.D. 19 ____.

Lynn D. Thompson, Notary Public, State of Ohio
650 Engineers Building, Cleveland, Ohio 44114
My commission expires January 21, 1990