

EMERSHAW, MUSHKAT & SCHNEIER

ATTORNEYS AND COUNSELORS AT LAW

437 QUAKER SQUARE
120 E. MILL ST.
AKRON, OHIO 44308(330) 376-5756
FAX (330) 762-5980George J. Emershaw
Barbara S. Mushkat
Bernard Schneier
Terry L. Bower CO., L.P.A.
Frank M. PignatelliTimothy Kelly
Deborah A. Ziegler
Jean A. Garrett
Melissa D. Berry
Trina M. CarterDATE: 1/21/00
TO: VALERIE FAX#: _____
FROM: MEUSSA
of pages (including cover): 93

The information contained in this fax transmission is Attorney communication and privileged. This message may be and Attorney - Client Communication and as such is privileged and confidential. If the reader of this message is not the intended recipient or any agent of the intended recipient, you are hereby notified that you have received this document in error and that any review, dissemination, distribution, or copying of this message is strictly prohibited. If you have received this communication in error, please notify us immediately by telephone and we will make arrangements for return of the original at no cost to you. Thank You!

If there is a problem with this transmission, or if you have not received all of the pages, please call our office at (330) 376 - 5756.

Original: _____ Not Sent _____ Mailed _____ Overnight Mail

1

1 IN THE COURT OF COMMON PLEAS
2 CUYAHOGA COUNTY, OHIO
3 CASE NO. 281605
4
5 KAYLA L. BURKETT, etc., }
6 et al., }
7 Plaintiffs, }
8 } DEPOSITION OF
9 versus }
10 CLEVELAND CLINIC, et al., }
11 Defendants. }

12 - - - - -

14 Deposition of LAURA A. CAWTHON, M.D., a Witness
15 herein, called by the Plaintiffs for Cross-Examination
16 pursuant to the Ohio Rules of Civil Procedure, taken by
17 the undersigned, Linda McAnallen, a Stenographic Reporter
18 and Notary Public in and for the State of Ohio, at the
19 offices of Buckingham, Doolittle & Burroughs, 3721 Whipple
20 Avenue, N.W., Canton, Ohio, on October 7, 1994, at 2:00
21 p.m.

23 - - - - -

25

1 **APPEARANCES :**

2

3 **On Behalf of the Plaintiffs;**

4 James J. Gutbrod, Attorney at Law
5 Perantiniides & Nolan
6 80 South Summit Street
 Akron, Ohio 44308

7

8 **On Behalf of the Defendants Dr. Hammel and**
 Dr. Vijayvargiya :

9 Michael Ockerman, Attorney at Law
10 Buckingham, Doolittle & Burroughs
 3721 Whipple Avenue, N.W.
11 Canton, Ohio 44718

12

13 **On Behalf af the Defendant Dr. Weiner:**

14 Matthew P. Moriarty, Attorney at Law
15 Jacobson, Maynard, Tuschman & Kalur
 1001 Lakeside Avenue, Suite 1600
16 Cleveland, Ohio 44114

17

18 **On Behalf of the Defendant Dr. Kulasekaran:**

19 Thomas Conway, Attorney at Law
20 Jacobson, Maynard, Tuschman & Kalur
 202 Montrose West Avenue, Suite 200
 Akron, Ohio 44321

21

22 **On Behalf of the Defendant Robinson Memorial**
 Hospital:

23 Marlene L. Franklin, Attorney at Law
24 Roetzel & Andress
 75 East Market Street
25 Akron, Ohio 44308

1 APPEARANCES (continued):

2

3

On Behalf of the Defendants Dr. Lang, Dr. Weldy,
Dr. Foote, Dr. Allman, and Children's Hospital
Medical Center of Akron:

4

5

Marc W. Groedel, Attorney at Law
Keminger & Reminger
The 113 St. Clair Building
Cleveland, Ohio 44114

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1

I N D E X

2

3

EXAMINATION BY

PAGE

4

Mr. Gutbrod

5 & 87

5

Mr. Moriarty

71

6

Mr. Groedel

86

7

8

EXHIBITS IDENTIFIED

PAGE

9

1-6, X-Rays

33-38

10

7, Radiology Report 4-1-88

45

11

8, Radiology Report 4-1-88 Re-Dictation

49

12

9, Radiology Report 4-2-88

50

13

10, Radiology Report 4-4-88
(marked but not identified)

14

11, Dr. Cawthon's 4-22-96 Letter
to Michael Ockerman and C.V.

90

15

16

17

18

19

20

21

22

23

24

25

1 WHEREUPON,

2 LAURA A. CAWTHON, M.D.,
3 after being first duly sworn, as hereinafter
4 certified, testified as follows:

5 CROSS-EXAMINATION

6 BY MR. GUTBROD;

7 Q. Dr. Cawthon, my name is Jim Gutbrod. Paul
8 Perantides and I represent the plaintiffs in this
9 case, Mr. and Mrs. Burkett and their daughter Kayla.
10 I'm going to ask you a series of questions. If I ask
11 you a question that you don't understand or that you
12 want me to clarify, I will expect that you will tell
13 me that,

14 A. Okay.

15 Q. If you don't so tell me, I will presume that you
16 understood my question and are answering my question,

17 a. Okay.

18 Q. Your responses need to be verbal words as opposed to
19 nods or gestures.

20 A. Okay.

21 Q. Please state your full name for the record.

22 A. Laura Ann Cawthon,

23 Q. Now, Dr. Cawthon, what have you reviewed for this
24 deposition?

25 A. I reviewed the films of the case.

- 1 Q. What films were those?
- 2 A. The chest x-rays. I believe there were three or
- 3 four.
- 4 Q. Anything else? Any other films, documents,
- 5 depositions, reports?
- 6 A. I saw the depositions of the other radiologists.
- 7 Q. Who?
- 8 A. Dr. Harnmel, I believe, and Dr. Vijay.
- 9 Q. Anybody else?
- 10 A. No.
- 11 Q. So you have reviewed three chest x-rays and the
- 12 depositions of Dr. Harnmel and Dr. Vijay?
- 13 A. Yes.
- 14 Q. Did you actually read those?
- 15 A. Very briefly, I skimmed through them.
- 16 Q. When did you read those?
- 17 A. Sometime last week,
- 18 Q. Is there anything else that you've read or reviewed
- 19 for this case?
- 20 A. No.
- 21 MR. OCKERMAN: The interpretations.
- 22 A. Yes, the x-ray reports, that's right. I did read the
- 23 x-ray reports at the time of the films.
- 24 Q. I'm sorry?
- 25 A. I read the x-ray reports at the time I reviewed the

1 films with Michael Ockerman.

2 Q. When was that?

3 A. I think it was last week sometime, last week or the
4 week before.

5 Q. Well, I presume you read them and reviewed the chest
6 x-rays at the time you authored your report as well?

7 A. Right. Even a couple months before I reviewed the
8 chest x-rays at that time, and I believe I saw the
9 reports at that time, I don't remember but I think I
10 did, and then last week we went over them again.

11 Does that answer your question?

12 Q. Well, let me just get it clear. When was it that: you
13 were first contacted by Mr. Ockerman?

14 A. I don't really remember. I think it's been a couple
15 months, at least a couple months.

16 Q. Well, if I tell you that your report is dated April
17 22, 1996, does that refresh your recollection?

18 A. That's probably right. I wrote the letter I think
19 the week after I talked to him.

20 Q. So you were contacted by Mr. Ockerman sometime in the
21 middle of April; is that fair?

22 A. I believe so, yes.

23 Q. And what did Mr. Ockerman provide to you at that
24 time?

25 A. Ha showed me the chest x-ray films and had me review

1 them, **seeing what** I saw, **and** then he **had me** review
2 the reports.

3 Q. So you were provided with the three chest x-ray **films**
4 that are a part of this case?

5 A. Correct.

6 Q. The **chest x-rays** that were **done for** Kayla Burkett
7 from **April 1st, April 2nd, and April 4th of 1988;**
8 true?

9 A, **Yes.**

10 Q. And you also reviewed the reports that were **authored**
11 by either Dr. Vijay **or** Dr. Hammel?

12 A. **That's** correct.

13 Q. And **those** are the things that you **had when you**
14 **authored your** report?

15 A, Correct. When **you're** talking about **my report, I**
16 **wrote a** brief letter **stating my** opinion in the case.

17 Q. Your letter of April 22, 1996?

18 A. Correct.

19 Q. Since **that** time you **have reviewed** the depositions of
20 Dr. Hammel **and** Dr. Vijay?

21 **a.** **That's** correct.

22 Q. And **you've** once again looked **at** the x-ray films and
23 the reports?

24 A. Correct.

25 Q. And nothing **else**?

- 1 **a.** {shakes head}
- 2 **Q.** You have to answer yes or no.
- 3 **A.** No.
- 4 **Q.** The court reporter has to take down your responses.
- 5 **A.** Okay.
- 6 **Q.** So you can't shake your head or nod.
- 7 **A.** Okay.
- 8 **Q.** Now, have you had any other cases with either
- 9 Mr. Banas or Mr. Ockerman or anybody else from this
- 10 firm?
- 11 **A.** Not a personal case. I had to present films on
- 12 another case.
- 13 **Q.** You had to present films in another case?
- 14 **A.** Right. It was a surgeon who was involved in a
- 15 lawsuit, and his action at the time of the case was
- 16 based on my radiology findings, so I had to present
- 17 my x-rays. There wasn't a controversy in x-ray
- 18 readings. It was merely that they wanted my
- 19 interpretation of my x-rays and why I read them as
- 20 such and why I told the surgeon what I did.
- 21 **Q.** So I take it Mr. Ockerman or Mr. Banas --
- 22 **A.** It wasn't either. It was another lawyer in this law
- 23 firm.
- 24 **Q.** Who was that?
- 25 **A.** I think it was Mr. Frasure.

10

- 1 Q. Mark Frasure was representing a surgeon?
- 2 a. Right.
- 3 Q. Defending a surgeon?
- 4 A. Correct.
- 5 Q. And he asked you to become involved in that case?
- 6 a. Actually the surgeon approached me because I had done
- 7 a number of radiology studies on the patient, and my
- 8 interpretation of the radiology studies was what he
- 9 based his decision on in this patient. It was a very
- 10 complicated case, and a lot of his decision on what
- 11 to do was based on my interpretation. So that's why
- 12 I became involved.
- 13 Q. What kind of case was it? What was the pathology or
- 14 what was the illness involved?
- 15 A. It was a postsurgical complication
- 16 Q. So did you actually testify in that case?
- 17 A. Yes, I did.
- 18 Q. At trial?
- 19 A. Yes.
- 20 Q. And that's how you became familiar with this firm?
- 21 A. Yes.
- 22 Q. Apart from that instance, have you had any other
- 23 occasion of contact with this firm of any kind?
- 24 a. I don't believe so.
- 25 Q. Now, have you ever reviewed a case as an expert in a

11

1 malpractice case?

2 A. NO.

3 Q. And who is your insurance carrier?

4 MR. OCKERMAN: Objection. Go ahead.

5 A. I think it's PICO right now. We were talking about

6 changing. I'm not sure if we've changed yet.

7 Q. And when you say we, who is we?

8 A. Our corporation.

9 Q. What corporation are you part of?

10 A. Radiology Services of Canton.

11 Q. Are those radiologists out of Timken Mercy?

12 A. We practice primarily -- it's Columbia Mercy now.

13 Q. Columbia Mercy?

14 A. Right. That's where we practice, correct.

15 Q. SO radiologists from Aultman Hospital, would that be

16 a different group?

17 A. Correct.

18 a. Are you charging for your review of this case?

19 A. Yes.

20 Q. What are you charging?

21 a. I think it's two fifty an hour. I'm not really sure.

22 Our business people take care of that.

23 Q. Does the money go to the corporation or does it go to

24 you?

25 A. It goes to the corporation.

- 1 Q. Is your fee any different for testifying at trial?
- 2 A. No.
- 3 Q. As opposed to review?
- 4 A. It's the same.
- 5 Q. Describe for me your day-to-day practice at Columbia
- 6 Mercy.
- 7 A. In terms of?
- 8 Q. What do you do?
- 9 A. I'm a radiologist there. I do general radiology. I
- 10 do cross-sectional imaging, including MR and
- 11 ultrasound, a little bit of computed tomography. I
- 12 do biopsies when they're needed, mostly under
- 13 ultrasound. And plain film radiography would include
- 14 upper GIs, IVPs, mammograms, and general x-rays.
- 15 Q. Do you from time to time encounter spinal column
- 16 anomalies?
- 17 A. well, there's common anomalies.
- 18 Q. Such as?
- 19 A. There's scoliosis that I see quite commonly. There
- 20 are other anomalies that we don't see a lot of, not
- 21 in our current practice.
- 22 Q. Have you ever seen spinal column anomalies in an
- 23 infant?
- 24 A. Yes, I have.
- 25 Q. What kind of spinal column anomalies?

- 1 A. I've seen hemivertebrae, diastematomyelias,
2 myelomeningoceles. That's probably the majority of
3 them that I've seen.
- 4 Q. Have you ever seen a cervical spinal anomaly in an
5 infant?
- 6 A. Yes, in my training, in my residency training.
- 7 Q. How many times?
- 8 A. Maybe half a dozen, less than half a dozen.
- 9 Q. Less than half a dozen?
- 10 a. Probably half a dozen.
- 11 Q. I take it then from what you've said that you've
12 never seen that at Timken Mercy or at Columbia
13 Mercy?
- 14 A. I don't believe so,
- 25 a. Can you recall what cervical spinal anomalies you've
16 seen?
- 17 A. I've seen a basilar invagination case and I've seen
18 blocked vertebrae. I've seen a couple blocked
19 vertebrae.
- 20 Q. What does that mean?
- 21 A. There's no disc space. It's a fusion. It's a
22 congenital fusion.
- 23 Q. Okay.
- 24 A. And then hemivertebrae involving the cervical spine.
- 25 Q. Now, you've read the depositions of Dr. Vijay and

1 Dr. Hammel?

2 A. Yes.

3 Q. They seemed to both agree that when a radiologist
4 receives a film, the standard of care requires them
5 to look at the entire film, the four corners of the
6 film,

7 MR. OCKERMAN: Objection. Go ahead.

8 A. Yes. Are you asking me --

9 a. I'm asking you to accept that;, to accept that that's
10 what their testimony has been so far in this case,
11 both Dr. Vijay and Dr. Harnmel, I'm asking you to
12 assume that.

13 A. Okay. I didn't read them that carefully, but okay.

14 Q. Do you agree with Dr. Vijay and Dr. Hammel, who have
15 both testified that the standard of care requires the
16 radiologist, when ha or she receives the film, to
17 examine the entire film, the four corners of the
18 film, to determine whether there's any
19 abnormalities?

20 A. Yes.

21 Q. So if a radiolcgist docs not examine the four corners
22 of the film, the entire film, and misses an
23 abnormality, then he or she has fallen below the
24 standard of care?

25 A. Yes.

- 1 Q. **And** in doing **that**, examining the **four corners** of the
2 film, the entire film, in noting **any kind of**
3 abnormality the **standard** of care requires **the**
4 **radiologist** to describe in detail what he or she
5 **sees; is that fair?**
- 6 A. That's not **necessarily** correct.
- 7 Q. Tell me why **that's not fair.**
- 8 A, Not every **abnormality** or -- I **guess** abnormality is
9 not **the** correct word, Not every finding **is**
10 **necessarily described** in detail. It **depends on** what
11 **the finding is** and how pertinent it is **to the**
12 **diagnosis**, It's important to make the clinician
13 aware of **such an abnormality** if it possibly could be
14 clinically **significant**, but not every **abnormality** is
15 **necessarily described in detail.**
- 16 Q. **So if that's the case**, if the abnormality is
17 clinically **significant** or in your **view** potentially
18 **clinically significant**, would you agree that the
19 **radiologist** needs to describe it in detail **so that**
20 the clinician who **has** ordered the film **can** have the
21 benefit of what you **see?**
- 22 A. No, not necessarily in **detail**. I think it needs to
23 **be made mention of.**
- 24 Q. **So all that the radiologist needs to do is mention**
25 **it, The radiologist doesn't have an obligation to**

1 describe it in detail. Is that what you're saying?

2 A. Depending on the abnormality, that's correct.

3 Q. Are there abnormalities that come to mind as we sit
4 here now that you would think need to be described in
5 detail?

6 A. Something that's life-threatening and needs action at
7 that time. A pneumothorax, you probably would
8 describe what percentage of the lung has collapsed.
9 Free air in the abdomen, you would describe probably
10 the amount of free air. More free air might mean a
11 more significant abnormality. They both can be acted
12 on clinically rather emergent. So something like
13 that I would think would need to be described in more
14 detail.

15 a. would you agree with me then that an anomaly or an
16 abnormality that is life-threatening and emergent is
17 one that the radiologist ought to describe in
18 detail?

19 A. If it's life-threatening at that time, yes.

25 Q. And can we agree that the standard of care would
21 require a radiologist, when observing an abnormality
22 that is life-threatening and emergent, to describe
23 that abnormality in detail?

24 A. Right.

25 a. Now, would it be fair to say that the radiologist

1 doesn't always know if a particular abnormality is
2 life threatening and/or emergent?

3 **a.** I think I need your definition of life-threatening
4 and emergent. To me life-threatening and emergent
5 means to be treated at that time within that hour or
6 two hours, and in that case that needs -- and I think
7 a radiologist can make the appropriate judgment of
8 that. For instance, someone could have colon cancer
9 that's described in a report. You're not going to
10 take out that colon cancer in the operating room in
11 the next hour. Could that be life-threatening five
12 years up the road? Certainly. So that's why I think
13 you have to watch your definition of life-threatening
14 and emergent.

15 **Q.** So if something is life-threatening but you don't
16 necessarily deem it to be emergent, do you have an
17 obligation to describe that in detail, that
18 abnormality?

19 **A.** I don't know if I can answer that question, because I
20 don't know what you mean by life-threatening.

21 **Q.** Threatening a person's life.

22 **A.** I mean I can't predict what is life-threatening. I
23 mean anything in your body is life-threatening,
24 because sooner or later everything fails. So I don't
25 think it's fair to say -- There are some

1 abnormalities you **don't know** are **going** to be
2 life-threatening **at that point in time**. They're
3 something that may **need** to be treated **by** the
4 **clinician**. Could they be life-threatening **at same**
5 point in **time**? They could be to your demise in terms
6 of **loss of life, if that's life-threatening**. what
7 I'm talking about as life-threatening is something
8 **that's** involving that **patient at** that point in time.
9 A lung that's collapsing and **acutely** within the **next**
10 few hours **that patient** could lose their life,
11 **something that** needs to be acted on emergently, that
12 definitely needs to **be** communicated to the
13 clinician.

14 **Q. Absolutely, And you said that there are instances**
15 where you **don't know whether something is**
16 life-threatening either in the immediate future or
17 farther down the road; true?

18 MR. OCKERMAN: Objection.

19 **A. That's true, yes.**

20 (Discussion was had off the record.)

21 BY MR. GUTBROD:

22 **Q. So there are some findings, radiological findings,**
23 **that axe** potentially **life-threatening** and you **as the**
24 **radiologist, given your limited scope and your**
25 **limited information, may not know whether it is in**

1 fact **potentially life-threatening; is** that fair?

2 A. **Yes.**

3 MR. OCKERMAN: And that's **by** her
4 definition **of** two hours **or** within the **day**?

5 MR. GUTBROD: **No.**

6 A. **You didn't say --**

7 Q. **Any** life-threatening condition?

8 A. **Sure,**

9 Q. **Potentially** life-threatening?

10 A. **Sure.**

11 Q. **Under** those **circumstances, if something** may be
12 life-threatening and you don't know whether it is or
13 **not, do you** have an **obligation -- let's back off from**
14 **obligation. Is it a good idea** for *you* to *do* more
15 **than simply mention it?**

16 MR. OCKERMAN: **Objection.**

17 A. That depends **on** the case. The important thing **is**
18 that you **make the clinician aware that** it exists.
19 **And if it's a confusing issue, you might want to**
20 **explain it more. But there are** certain abnormalities
21 that **may or may not be potentially life-threatening**
22 **that another physician is aware of, and you mention**
23 them **so** they know about them,

24 Q. **How do you mention something like that, something**
25 **that is potentially** life-threatening?

1 MR. OCKERMAN: Objection.

2 Q. How do you specifically in your practice?

3 MR. OCKERMAN: Objection.

4 A. I try -- I mention it in the body of the report.
5 Also if I feel it's something that I want to make
6 sure the clinician is aware of, I'll try to also
7 mention it in the impression, because there are
8 instances when I think clinicians are busy and they
9 read the impression and the body of the report is
10 skimmed through unless you have something in the
11 impression that makes you want to go up and read the
12 body of the report. So I make sure it's mentioned
13 twice, so it's emphasized.

14 Q. Are there ever instances where you contact the
15 physician by phone or speak to him personally about a
16 particular matter?

17 A. Sometimes.

18 Q. Under what circumstances?

19 A. Most such cases are done, more so with outpatients,
20 because I want to make sure the patients are going to
21 get follow-up. For instance, if there's a mammogram
22 that comes through that's abnormal and it may have
23 been ordered just as a routine checkup, the patient
24 came in after being ordered three months previously,
25 I want to make sure the physician gets the report,

- 1 and rather than relying on **the** mail, I'll call **that**.
2 If it's a **pre-operative** chest, someone **is** coming in
3 for surgery **and** they have a **chest** x-ray done and
4 **they're** already scheduled for surgery **and** I find a
5 tumor or an abnormality in their **lung**, I'm going to
6 **call** the doctor and say **listen**, so and so has
7 something in his **lung**, so *in case* there's a **delay** in
8 **the** report they're **not** going to *get* scheduled for
9 surgery. **So** it's just a matter of courtesy.
- 10 Q. When there's a **problem** with time in terms of **when** the
11 report **is** going to **be** received by the clinician, *you*
12 would **call** him **instead** of **waiting** for him to receive
13 the report?
- 14 A. More so with **outpatients**, because I don't know if the
15 **physician** is going to see them **and** I want to make
16 sure the paperwork **is** not lost.
- 17 Q. Are these instances where **it's** not a matter of time,
18 that the finding in your **view** **is** of a nature that
19 this deserves a phone **call** to the clinician?
- 20 A. In many cases I **call** because the **physician** wants to
21 **be** called. We get a lot of **STAT** reports, **and** so I
22 **call** them or I **have** the office staff call them. **So** a
23 lot of those are **called** mainly because the **physician**
24 **wants** them at a certain point, **someone** has come in
25 **bleeding** and they **want** to **know** if they're aborting,

22

1 they have a patient that wants a result, and so
2 those are called. I'd say the most often time I call
3 the reports are it's either something
4 life-threatening and I just don't want to wait for
5 it to go through the general system, like a
6 pneumothorax in the hospital, because most hospital
7 reports are on the floor within 24 hours if they're
8 in the hospital.

9 I call less reports now because we have a
10 fax system. Almost every report that at least gets
11 faxed gets a handwritten report that's faxed right up
12 to the floor, so it saves phone calls and it saves
13 time, because if you call the floor, you have to wait
14 for someone to answer the phone, you have to wait
15 for someone to get a nurse, and it's very
16 time-consuming. So over the past year we've faxed
17 many reports to doctors' offices and to the floor,
18 to the wards.

19 Q. Dr. Cawthon, have you been sued?

20 MR. OCKERMAN: Objection.

21 A. No.

22 Q. You have never been sued?

23 A. No.

24 Q. Have you ever received a 180-day letter?

25 MR. OCKERMAN: Objection.

- 1 A. No.
- 2 Q. There's another **matter** that Dr. Vijay and Dr. Hammel
- 3 **agree** on. That **is** that when a **radiologist** observes
- 4 one anomaly of the bony **structure** of the **spine**, that
- 5 good radiologic care **requires** them to look **elsewhere**
- 6 in the spinal column, **because** the presence of one
- 7 anomaly increases the likelihood of the **existence** of
- 8 other spinal column **anomalies**. So I'm **asking** you to
- 9 **assume** that those two doctors, Dr. Vijay and
- 10 Dr. Hammel, **agree** on that point,
- 11 A. Okay.
- 12 Q. Would you **agree** with that point?
- 13 A. Yes.
- 14 Q. So say you receive a chest **x-ray**, and in reviewing
- 15 and **interpreting** that chest **x-ray**, you **observe** an
- 16 **anomaly** of the **spine**. Good radiologic care **requires**
- 17 you to look **elsewhere**, to look throughout the entire
- 18 film **at least**, in order to determine if **there** are
- 19 other **abnormalities** of the spine; **fair** enough?
- 20 A. Correct.
- 21 Q. And, in fact, we could agree, could we not, that the
- 22 radiologic **standard** of care requires you to do that;
- 23 **isn't** that true?
- 24 A. That's correct.
- 25 a. And the radiologic **standard** of care may require you,

1 in the event that you note an abnormality of the
2 cervical spine, to suggest that other views of the
3 spine be taken in order to rule out whether there are
4 other abnormalities in the spinal column,

5 MR. OCKERMAN: Objection.

6 Q. True enough?

7 A. It depends on the anomaly.

8 Q. Why do you qualify it that way?

9 A. If there was an obvious subluxation and malalignment,
10 you know, from trauma or something where there's an
11 obvious malalignment of vertebral bodies, then yes, I
12 would recommend additional views. There are many
13 spinal anomalies and you see them and it means the
14 whole spine should be looked at and worked up at some
15 point in time.

16 Q. It should or shouldn't?

17 A. Should at some point in time usually. But you can
18 have an abnormal spine with perfectly normal soft
19 tissues and spinal column. You can also have a
20 perfectly normal-appearing spine and have abnormal
21 soft tissues that you can't appreciate
22 radiographically,

23 Q. I don't want to talk about trauma, for example, You
24 mentioned trauma. Setting that aside for a minute --

25 A. Okay. Even congenital, if there's an obvious

1 malalignrment, then it would probably be worth getting
2 other films.

3 Q If you observed that, an obvious malalignment, given
4 the principles that we just talked about, you would
5 suggest in the body of your report or in your
6 impression that there be additional views taken in
7 order to determine whether there are other
8 abnormalities of the structural spinal column?

9 A. I would probably want to talk to the clinician first.
10 It would depend what's going on with the patient,
11 because spinal anomalies are associated with
12 congenital heart disease and renal anomalies. And
13 if this child is in a life-threatening condition
14 that's worse with some organ system, you've got no
15 business taking further spine films at that point in
16 time.

17 Q. Let's pursue that then. If you notice a spinal
18 column anomaly such as we've described --

19 A. With an obvious malalignment.

20 Q. Okay, let's say with an obvious malalignment.
21 Your next step would be to contact the physician?

22 A. Probably, yes.

23 Q. For the purpose of determining what's going on
24 clinically with that patient; is that true?

25 A. Yes.

1 Q. And presuming that it's nothing that would stand in
2 the way of additional studies, you would then suggest
3 that additional studies be done?

4 A. Probably.

5 Q. And the reason you would do that is because where
6 these is one significant spinal column anomaly, it
7 increases the likelihood that there may be others;
8 true?

9 A. The reason I would do it is because subluxation can
10 indicate there is narrowing of the spinal canal,
11 which can affect the cord,

12 Q. So your concern would be to protect the spinal cord?

13 A. As well as to search for other anomalies, but the
14 reason I would call would be because of the canal or
15 the cord.

16 Q. well, let's make sure I understand. I want to
17 understand what you're saying.

18 A. Okay.

19 Q. Setting aside for just a minute that you would have a
20 concern about the spinal canal -- and maybe we need
21 to talk about another kind of anomaly.

22 A. Okay.

23 Q. I want to get at in general the principle of if there
24 is a structural spinal column anomaly that you note
25 on say a chest x-ray, good radiologic practice would

1 require you to pursue looking elsewhere in the spine
2 in order to rule out other spinal anomalies; is that
3 fair?

4 A. Correct.

5 Q. And that would involve not only examining the four
6 corners of that particular film but also suggesting
7 follow-up studies?

8 A. I didn't say that.

9 Q. Well, I'm asking.

10 A. Not necessarily.

11 Q. Under what circumstances would you not do that?

12 A. In the case in point, the case we're discussing
13 today. From what I know about this case, the chest
14 x-ray came down with a history of respiratory
15 distress. The child has spinal anomalies. They're
16 there. The clinician is made aware of it. It's
17 general medical knowledge that you've got a spinal --
18 The clinician is made aware of it, so further workup
19 can be done if it's indicated. You don't know if
20 this child has a syndrome that's even compatible with
21 Life. You don't know if there's a brain. You don't
22 know if the heart is okay. You can presume it's okay
23 by the size on the films and the lungs are fairly
24 clear. You don't know if the kidneys are working.
25 There could be other things going on. And when you

1 don't know what's going on with that child, to start
2 recommending further studies prematurely I don't
3 think is appropriate. And the clinician is made
4 aware of these abnormalities and knows that one
5 spinal abnormality means there can be others, meaning
6 this kid needs to be referred to a specialist. I
7 think that's in the report, that these are congenital
8 anomalies.

9 Q. Which report is that?

10 A. The report of the case we're talking about. If it's
11 in the report that there are congenital anomalies of
12 the spine, the clinician is made aware of it. Not
13 only is it general radiology knowledge that one
14 anomaly of the spine can be associated with other
15 anomalies of the spine, but it is general medical
16 knowledge. This report is going to a physician and a
17 physician has gone to medical school and that's
18 clear, So I don't think it's necessary to indicate
19 follow-up studies. It depends on each individual
20 case. I don't think you can say in every case that
21 you have to recommend additional studies,

22 Q. But you would agree that if you see a spinal anomaly
23 like that, it's good practice to contact the
24 physician to find out what's going on with the
25 patient?

- 1 A. It's a good -- I don't think it's required by the
2 standard of care. It's nice out of curiosity to find
3 out what's going on with the patient.
- 4 Q. Well, not just out of curiosity but out of concern
5 for the patient?
- 6 A+ Out of concern for the patient, but also this is an
7 inpatient that's in the hospital, so this is not a
8 case that's home and in the office, This is a
9 patient that's in the hospital, So the clinician is
10 doing rounds on that patient every day.
- 11 I don't always contact those clinicians.
12 It depends on who the clinician is, There are many
13 clinicians that I see daily, and so I mention the
14 case to them or ask how is he or she doing, you know.
15 So in that case I don't always pick up the phone,
16 There is prioritizing, You've got to prioritize your
17 emergent cases with your non-emergent cases.
- 18 Q. Dr. Cawthon, how many radiology studies do you look
19 at in a day?
- 20 A. It depends what I'm doing. If I'm on call on the
21 weekend, I can read a hundred cases in a day. If
22 it's a specialized study, I may read twenty cases, if
23 I'm doing MR or ultrasound. If I'm doing procedures,
24 I'm doing less-
- 25 Q. How about just basic x-ray films in a typical day?

1 A. It.all varies on the day, because I usually read a
2 mixture of special studies. I usually do special
3 studies, so I chip in and help with the general x-ray
4 films. So, you know, fifty to a hundred.

5 Q. Before we move on, you had mentioned also in the
6 instance that you talked about, the obvious
7 malalignment, your concern would be protecting the
8 spinal cord?

9 A. Right.

10 Q. Why is that important?

11 A. Well, the spinal cord carries your nerves. You need
12 your spinal cord to breathe and to move. You need
13 your spinal cord for life. Sa you don't want
14 something impinging on the cord for very long, if you
15 can help it.

16 Q. If something is impinging on the card for very long,
17 what can happen?

18 A. You can have damage to the card.

19 a. And what kind of result can that bring about in the
20 patiant?

21 A. It depends on the level of the impingement. It can
22 cause paralysis, bladder dysfunction, breathing
23 dysfunction.

24 Q. So if you have a concern about the spinal canal and
25 potential damage to the spinal cord, what do you do?

- 1 **A.** You'd probably **do an** MRI preferrably.
- 2 **Q.** Now, **would** you do an MRI without **an** order of the
- 3 physician?
- 4 **A.** No.
- 5 **Q.** So if you see something on **an** x-ray that would lead
- 6 you to **be concerned** about the spinal canal and the
- 7 spinal cord, what would *you* do?
- 8 **A.** I **would** probably call the **physicfan** and tell him.
- 9 **Q.** And tell him what?
- 10 **A.** I **would** tell him **what** I saw and recommend whatever
- 11 study I thought **would be** good, **would be** the best to
- 12 evaluate it, **which** is generally MR or CT. **Usually**
- 13 you **try** to do MR if it's available.
- 14 **Q.** **That's** what you would do in your practice?
- 15 **A.** **Yes.**
- 16 **Q.** And you would think that that **would be** good
- 17 radiologic care on the **part** of any radiologist; fair
- 18 enough?
- 14 **A.** Correct.
- 20 **Q.** And, in fact, **in** your **view** the **standard of** care would
- 21 require that **if a** radiologist has reason to believe
- 22 that the spinal cord could be potentially harmed by
- 23 **what you** see on an x-ray, **standard of** care would
- 24 require you to pick **up** the phone and **contact a**
- 25 **physician; fair** enough?

- 1 A, Not could be potentially harmed but it looks
2 *obviously* harmed. I guess your wording, potentially
3 *harmed, is* rather confusing.
- 4 Q. Well, you're not talking about a situation where the
5 spinal cord is already harmed, are you?
- 6 A. No, but I mean sometimes you can have a perfectly
7 aligned spine and you've got no reason to do an MR,
8 but there could be soft tissue damage to the spine
9 that you don't know about. So that is potentially
10 harmful. You don't know.
- 11 a. Right. No, I'm talking about --
- 12 A. A bony abnormality that is obviously impinging on the
13 cord, that's obviously impinging on the space where
14 the cord would be, than I think it would be the
15 standard of care to call.
- 15 Q. Well, wouldn't it be fair to say that in an x-ray
17 film you're not going to be able to tell particularly
18 whether the bony abnormality is in fact impinging on
19 the spinal canal?
- 20 A. You can judge the distance of the spinal canal based
21 on the bony alignment.
- 22 Q. And that should lead you to make a call to the
23 physician; right?
- 24 A. Correct.
- 25 Q. And the standard of care would require you to do

1 that?

2 A. Correct.

3 Q. Doctor, I'm going to ask you to look at these chest
4 x-rays and give me your interpretation.

5 A. Okay.

6 (Discussion was had off the record.)

7 (Plaintiff's Deposition Exhibits 1 through
a 6, x-rays, were marked for identification.)

9 BY MR. GUTEROD:

10 Q. Doctor, I'm going to show you what we've marked as
11 Plaintiff's Exhibits 1 through 6. And what I'm
12 asking you to do is put yourself in the position of
13 Dr. Vijay or Dr. Hammel receiving this chest x-ray
14 into the radiclogy department, just as you do every
15 day at Columbia Mercy Hospital, or not every day but
16 most days. Okay?

17 A. Okay.

18 Q. And I take it you have your dictaphone and you're
19 dictating your report. Okay. I'd Like you to do
20 that with each of these.

21 I'm going to show you what we've marked
22 as Plaintiff's Deposition Exhibit 1, and I'll
23 represent to you that this is a film that was taken
24 on April 1, 1988.

25 A. You've got it backwards here, Okay. I would say

1 that **the** cardiothymic shadow **is** in the upper limits
2 of normal. There are **a few markings** at the left base
3 that probably represents a **subsegmental atelectasis**.
4 Vascularity **is** not congested and otherwise lungs are
5 well aerated. And there is -- I'll have to count
6 these. **There's** a hemivertebra at the -- it looks
7 like **it's** at the T9-T10 level and **there's** extra ribs
8 on the right side than on the **left** including a
9 hypoplastic right rib. And I know there's anomalies
10 in the cervical spine **from this case**. I think
11 they're hard to identify **on this AP view**. **There's** a
12 cleft **in one of the vertebral bodies** on the cervical.
13 level which could be developmental.

14 Q. which could be what?

15 A. Developmental. It **hasn't** completely ossified.
16 **That's** about all I see.

17 Q. That's **all** you see?

18 A. **Yes.**

19 Q. **Okay.**

20 MR. OCKERMAN: I think to be fair, Jim,
21 as a radiologist interpreting, she should have
22 both of those films up there at the same time.

23 Q. **Absolutely. Whatever** you need.

24 A. **Usually** you read them together. You need both
25 **really.**

1 Q. You're now looking at Plaintiff's Exhibit 2, again a
2 lateral view from April 1st, 1988.

3 MR. MORIARTY; I don't mean to be
4 presumptuous, but we have a second view box in
5 the room, if you'd like to put them both up at
6 the same time,

7 A. Oh, that's okay. The cervical spine is difficult to
8 visualize, but I think there are some.

9 MR. OCKERMAN: He's asking you to look
10 at them as you would that day.

11 A. I don't know at that point in time. I know they're
12 there, There are some of the posterior elements that
13 don't appear normal. The alignment of the vertebral
14 bodies is normal.

15 Q. You are testifying that you see in these Exhibits 1
16 and 2 cervical spine anomalies; fair enough?

17 A. I think so. The problem is I know they're there, so
18 in retrospect it's easier, I mean I don't know if I
19 would catch them reading them in a busy reading room.
20 They're there, but they're not obvious.

21 Q. Dr. Cawthon, these are Exhibits 3 and 4 taken, I
22 represent to you, on April 2, 1988.

23 A. Okay. Just turn that one around. Okay. The
24 cardiothymic shadow is in the upper limits of normal.
25 Lungs are well aerated. Trachea is midline. And

1 there are vertebral anomalies again involving the
2 lower thoracic spine with hypoplasia of one of the
3 lower ribs, which is T9. And I can't really see the
4 cervical anomalies on this film. The cervical
5 vertebral bodies look in normal alignment, These are
6 copies and the cervical level is a little bit washed
7 out. I can't really comment on it.

8 Q. So is it your testimony that you can't see cervical
9 spins anomalies on either Exhibit 3 or Exhibit 4?

10 A. No, I can't.

11 Q. Then finally showing you what we've marked as
12 Plaintiff's Exhibits 5 and 6 -- Is that the sight
13 way?

14 A. That's right.

15 Q. I would represent to you that these are films taken
16 on April 4, 1988.

17 A. Okay. Again the cardiodynamic shadow in this film
18 appears I would say within the upper limits of
19 normal. Lungs are clear. Trachea is midline.
20 Thoracic abnormalities are again seen with the
21 hypoplastic right rib. There is absence of some of
22 the posterior elements of the cervical spine. And
23 cervical vertebral bodies appear in normal
24 alignment.

25 MR. OCKERMAN: I'm sorry, Absence of

a **what in the cervical --**

2 **THE WITNESS; Sane of the posterior**
3 **elements.**

4 **MR. GROEDEL: Could you identify what**
5 **level of the cervical spine you're making**
6 **reference to?**

7 A. To me it **looks like C4. Let me count these, It**
8 **looks like C4, possibly C3. And one of the cervical**
9 **vertebral bodies isn't completely formed. It might**
10 **be a hemivertebra, but I can't really tell. It's not**
11 **as clear. There is an obvious hemivertebra in the**
12 **thoracic spine. I can't really tell in the cervical**
13 **spine. And there's more ribs on the right side than**
14 **on the left. That would be it.**

15 Q. Have you given us both what you would observe and
16 **what your impressions would have been?**

17 A. My **impressions would be cardiothymic shadow is within**
18 **normal limits, upper limits of normal. Lungs are**
19 **clear. And I would say vertebral anomalies of the**
20 **cervical and thoracic spine. I might mention**
21 **including a hypoplastic right rib. I would probably**
22 **just mention the vertebral anomalies.**

23 Q. **That's for April 4th?**

24 A. **Correct.**

25 Q. **Let me go back then. I don't know if you had given**

1 us your impressions from April 1st and April 2nd.

2 A. I think those two I would have stated the vertebral
3 anomalies. The cervicals are harder to see on those
4 films.

5 Q. So your impressions for April 1st for Exhibits 1 and
6 2 are what now?

7 A. Heart, upper limits of normal in size. Lungs,
8 subsegmental atelectasis in the left lower lobe.
9 Hemivertebra of the lower thoracic spine. And I
10 might mention that there are --

11 MR. OCKERMAN: Just tell him what you --

12 A. But from a radiologist's point of view, whether there
13 are thoracic or cervical anomalies, the kid needs to
14 be worked up.

15 Q. Why do you say that?

16 A. Because there could be anomalies somewhere else in
17 the spine. The lumbar spine is not visualized on
18 this level. You've already got an obvious thoracic
19 hemivertebra. So you've got one spinal anomaly of
20 the bone, so the whole spine needs to be worked up
21 at some point in time. I mean just based on the
22 thoracic finding, that needs to be done.

23 Q. And if these came into the Columbia Mercy radiology
24 department, how would you have ensured that the whole
25 spine gets worked up?

1 MR. OCKERMAN: Objection. Go ahead.

2 A. I don't really follow up on each individual patient,
3 because I can't.

4 Q. Why?

5 A. It's impossible. How am I going to follow up on a
6 hundred patients a day and read a hundred x-rays a
7 day? Clinicians order studies because they feel
8 something is wrong. I give the impression in the
9 report and I give them my findings. And based on my
10 findings, they act on those.

11 In this case this would go to the
12 nursery as vertebral anomalies. We have
13 neonatologists and pediatricians as well as general
14 doctors that I would feel confident would pick up on
15 this and know to work up the spine. This to me is
16 not a confusing issue for another physician, a
17 nonradiologist,

18 Q. What is it that the nonradiologist physician should
19 do, given the way that you would have reported out
20 these x-rays?

21 A, I would think the child would be referred to a
22 pediatric orthopaedic surgeon or a pediatric
23 neurologist or both, depending on the clinical
24 situation.

25 Q. And is that something that standard of care would

1 **require them to do?**

2 MR. OCKERMAN: **Objection.**

3 A. **I can't speak for a pediatrician or a general doctor.**
4 **I would think that that's how it would be worked up.**

5 Q. **That would be your expectation as a radiologist?**

6 A. **Correct.**

7 Q. **And in your mind as you're looking at these, you're**
8 **saying this child's whole spine needs to be worked**
9 **up; true enough?**

10 A. **True, if everything else is okay and that's**
11 **indicated, yes.**

12 Q. **Well, it's indicated based on the radiology that you**
13 **have in front of you; correct?**

14 A. **Based on that there's nothing else that's of more**
15 **importance.**

16 Q. **Well, whether there is or there isn't, the child's**
17 **spine at some point needs to get worked up; true**
18 **enough?**

19 MR. OCKERMAN: **Objection.**

20 A. **Depending on the situation, yes.**

21 Q. **So your expectation would be that whoever the --**

22 A. **Assuming that this kid is going to go home from the**
23 **hospital and the heart and everything else is working**
24 **fine, the only problem is the spinal anomaly, then it**
25 **needs to be worked up, yes.**

1 Q. And that **would** be your expectation of whoever the
2 ordering physician is?

3 A. **Correct.**

4 Q. **So** is it your testimony that *you* would not **call** the
5 **doctor** or indicate in your report **what** your
6 **expectation is, that** the child be worked up?

7 A. **Probably** not.

8 Q. Would it **be** helpful for there to be dedicated
9 **cervical spine x-ray studies?**

10 MR. OCKERMAN: Objection.

11 A. It's hard to know at this **point in time.** If this
12 child **is** referred out to a pediatric specialist and
13 imaged at **a pediatric** hospital, I **would prefer** an MR
14 or CT be done. And I think it might be helpful to
15 have **films** of the entire spine, AP and lateral. But
16 **besides** that, your main **imaging** workup would probably
17 **be** an MR or CT.

18 Q. Why?

19 A. To look at *the* **spinal** canal or to look for any other
20 **anomalies** corresponding with **this.** And that would
21 **all depend on** how the kid is doing in terms of
22 **clinically,** if **there's** any indication **that** there's
23 any nerve **problems.**

24 Q. **So** it would be appropriate in your view **that**
25 **additional** radiology **studies be** done, whether they be

I additional x-rays studies or MR or CT studies?

2 A. Right, at same point in time, yes.

3 Q. It is impossible for you to tell what the severity of
4 the pathology is that's going on in the cervical
5 spine from these films; is that fair?

6 A. I'm not sure I understand your question.

7 Q. wall, are you able to tell from any of these films
8 what specifically is going on in the cervical spine
9 and what the potential consequences of that would
10 be?

11 A. Do you mean as far as -- I can see the bony
12 structures. I can't see the soft tissues, if that's
13 what you're asking,

14 Q. You can't see all the bony structures, can you?

15 A. No.

16 Q. So you don't really know what's going on in terms of
17 the bony structures in the cervical spine altogether,
18 do you?

19 A. No.

20 Q. And you don't know, given these films, what the
21 potential consequences are of what you see only in
22 parr; on these x-ray films; is that right?

23 A. That's right.

24 Q. And as you said before, given the nature of the bony
25 abnormality, there could be an impingement of the

1 **spinal canal; true enough?**

2 A. **Not based on these films.**

3 Q. **Is it your testimony that based on these films you**
4 **would be able to rule out any impingement of the**
5 **spinal canal?**

6 A. **No, I didn't say that, but the alignment of vertebral**
7 **bodies as shown on the lateral views looks normal.**
8 **There's no obvious subluxation to indicate there's**
9 **impingement.**

10 Q. **I'm asking you, can you rule out impingement of the**
11 **spinal canal or the spinal cord based on these**
12 **films?**

13 A. **I can't on any plain films, even dedicated cervical**
14 **spine films.**

15 Q. **Especially films where there's portions of the**
16 **cervical spine itself missing?**

17 A. **Correct. Even if I saw the whole cervical spine, I**
18 **couldn't rule that out. Even if I saw dedicated**
19 **cervical spine films, I couldn't rule that out.**

20 Q. **But if you had films like this, that should prompt**
21 **one to take the next step to rule out the possibility**
22 **of damage to the spinal cord; true enough?**

23 **MR. OCKERMAN: Objection,**

24 A. **At some point in time the whole entire spine needs to**
25 **be looked at.**

- 1 Q. Because of possible damage to the spinal cord?
- 2 A. Correct.
- 3 Q. And that potential damage to the spinal cord could
- 4 lead to, as you pointed out earlier, paralysis, loss
- 5 of breathing, depending on the level; fair enough?
- 6 A. Right.
- 7 Q. Have you ever seen a cervical spine with these kinds
- 8 of abnormalities?
- 9 A. Not exactly like this, no,
- 10 a. Now, you may or may not be aware from your Preview of
- 11 Dr. Hammel's deposition that it is Dr. Hammel's view
- 12 that Dr. Vijay fell below the standard of care in her
- 13 initial reading of the April 1st, 1988, film.
- 14 A. Yes, I'm aware of that.
- 15 Q. And what I want to know is do you agree or disagree
- 16 with Dr. Hammel in his view that Dr. Vijay fell below
- 17 the standard of care in her interpretation, her
- 18 initial reading of the April 1st, 1988, film?
- 19 A. Based on what I'm seeing here or --
- 20 Q. I'm asking you what your view is. As you sit here
- 21 today --
- 22 A. Well, I'm having a problem, because these are copies
- 23 and I don't think they're as good a quality as the
- 24 original films I saw, so I'm not seeing the cervical
- 25 spine very well,

1 MR. OCKERMAN: You saw copies, too.

2 A. Did I see copies? The cervical spine that I saw on
3 the film I saw seemed to be a little better
4 delineated on the first film.

5 MR. OCKERMAN: What he's asking you is
6 based upon --

7 THE WITNESS: If she missed the
8 cervical spine on --

9 MR. OCKERMAN: Look at her report that
10 she dictated on --

11 Q. Let me stop you.

12 A. Okay.

13 MR. GUTBROD: We'll mark this report as
14 Exhibit 7.

15 (Plaintiff's Deposition Exhibit 7,
16 Radiology Report of 4-1-88, was marked for
17 identification.)

18 MR. OCKERMAN: Let's take a short break.

19 (A short break was taken.)

20 (Plaintiff's Deposition Exhibit 3,
21 Radiology Report of 4-1-88 Redictation,
22 Plaintiff's Deposition Exhibit 9, Radiology
23 Report of 4-2-88, and Plaintiff's Deposition
24 Exhibit 10, Radiology Report of 4-4-88, were
25 marked for identification.)

- 1 BY MR. GUTBROD:
- 2 Q. Dr. Cawthon, you **have** reviewed here in the course of
- 3 **this** deposition **some x-rays** that **apparently** are
- 4 copies of the originals?
- 5 A. Yes.
- 6 Q. And at **a previous** time you reviewed **x-rays** that were
- 7 **also copies** of the **originals**; **true enough**?
- 8 A. I **don't** remember if they were **copies** or **originals**.
- 9 Q. The originals would, in fact, **be** clearer and would
- 10 **more** clearly delineate, for example, **cervical spina**
- 11 **anomalies**; **fair enough**?
- 12 A. It depends on the **quality** of the **copies**, The copies
- 13 are not going to be any **better** than the originals,
- 14 They might be fairly equal to or they might be of
- 15 less **quality**.
- 16 Q. But they're not **going to be** any better than the
- 17 **originals**?
- 18 A. No.
- 19 Q. **So at best** if you had the **originals**, you would have
- 20 **either as good a view** or a better view than the
- 21 **copies**; **true**?
- 22 A. **Yes**.
- 23 Q. Now, **going back** to my **question**, I'm showing you **what**
- 24 **we've marked as Plaintiff's Exhibit 7**, and I'm
- 25 **representing to you that that is the first report**

1 **authored by Dr. Vijay upon her initial review of the**
2 **April 1st, 1988, films, copies of which we have**
3 **marked as Plaintiff's Exhibits 1 and 2, Fair**
4 **enough?**

5 A. **Yes.**

6 Q. Dr. Harnmel in his **deposition** testified **that** that
7 report, that **reading** of these two x-rays, was **below**
8 the **standard** of care for a **practicing radiologist,**
9 Do you agree with that?

10 MR. OCKERMAN: Objection. Go ahead.

11 A. **Yes.**

12 Q. And so you would say that with reasonable medical
13 probability, Dr. Vijay fell **below** the **standard** of
14 care in that interpretation that she wrote that **we've**
15 marked a5 Plaintiff's Exhibit 7?

16 A. **Correct.**

17 Q. Now, why **is** it that Dr. Vijay fell below the standard
18 of care in that interpretation?

19 A. She didn't mention the vertebral **anomalies,**

20 Q. She **didn't** mention any of the **vertebral anomalies?**

21 A. **Correct.**

22 Q. She **didn't** mention the thoracic **vertebral** anomalies
23 or the **cervical spine anomalies?**

24 MR. OCKERMAN: Objection. Go ahead.

25 A. Uh-huh.

1 MR. OCKERMAN: *Yes* or no?

2 A. **Yes.**

3 MR. OCKERMAN: Wait. Can *you* repeat
4 that question?

5 (The court reporter read the **preceding**
6 **question as follows:** She **didn't** mention the
7 **thoracic vertebral** anomalies or the **cervical**
8 **spine anomalies?**)

9 A. She did not mention any anomalies in her report,

10 Q. So your answer is yes?

11 A. **Yes.**

12 Q. And you can see **those anomalies** on these films,
13 they're there, and she should have **noticed** them?

14 MR. OCKERMAN: **Objection.** I *just*
15 want to be clear which **anomalies** you're
16 **speaking of.**

17 Q. Which anomalies are you **speaking of**, Doctor?

18 MR. OCKERMAN: That *you* can **see** there.

19 A. I can see the **thoracic vertebral** anomalies clearly.
20 The **cervical** are difficult to see.

21 Q. But you can see them?

22 A. I can **see** them **because** I **know** they're there, but
23 they're **difficult** to see.

24 Q. Now, in **Plaintiff's Deposition Exhibit 8**, Dr. Vijay
25 **has authored another report that is called a**

1 redictation **radiology** report. I'm showing you what
2 **we've** marked as Plaintiff's Exhibit 8. This
3 apparently **is** a re-review of Plaintiff's **Exhibits 1**
4 and 2 and a **redictation** of her **initial** report, this
5 time dictating it on **April 2, 1988**. Is that **fair**
6 **enough?**

7 **A. Yes.**

8 **Q.** Now, Dr. Hammel in his **deposition** testified that in
9 his view Dr. **Vijay** fell **below** the **standard** of care in
10 this **redictation** interpretation **of** the **April 1st,**
11 1988, chest x-rays. Do **you agree with him?**

12 MR. OCKERMAN: Objection. **Go** ahead.

13 **A.** I'm not sure. She **mentions the anomalies at the**
14 **thoracic level,** She **mentions** everything but the
15 cervical **anomalies.** The cervical anomalies aren't
16 mentioned. And since they're there, it is below the
17 standard of **care.** **It's** hard for me to comment
18 **because I can't see** them very well on **the films that**
19 **we have today.**

20 **Q.** Doctor, do you **have** an opinion **as** to whether or *not*
21 Dr. **Vijay** fell **below** the **standard of** care in her
22 report of **April 2nd,** the **redictation,** as Dr. Hammel
23 believes that she did?

24 MR. OCKERMAN: Objection. **Go** ahead,

25 **A.** I'll **say yes,** she **probably** did.

1 Q. I'm going to show you what we've marked as
2 Plaintiff's Exhibit 9, and I will represent to you
3 that this is the report of Dr. Vijay of April 2,
4 1988, interpreting the April 2, 1988, chest x-rays,
5 the AP and lateral views. And copies of those are
6 Exhibits 3 and 4 that you have just reviewed
7 earlier.

8 Now, I will represent to you that
9 Dr. Hammel testified once again in his deposition
10 that in his view the cervical spine anomalies are
11 present and visible on the April 2, 1988, x-rays,
12 chest x-rays, and that as such Dr. Vijay fell below
13 the standard of care in not including them in her
14 report, Plaintiff's Exhibit 9.

15 Now assuming that, do you agree with
16 Dr. Hammel that Dr. Vijay fell below the standard of
17 care in her interpretation of Plaintiff's Exhibit 9
18 with reasonable medical probability?

19 MR. OCKERMAN: Objection. Ga ahead.

20 A. Yes.

21 Q. Now, it's a fairly common Occurrence for you as a
22 radiologist to review x-rays in a series; is that
23 true?

24 A. Yes.

25 Q. That is to say that x-rays will be taken of the same

1 anatomical part, anatomical place in somebody's body,
2 days in a row or in some kind of serial fashion; true
3 enough?

4 A. Yes.

5 Q. And the practice of your radiology department, as
6 with radiology departments across the country, is to
7 keep a jacket or a folder that contains those serial
8 radiographs; fair enough?

9 A. Correct.

10 Q. And when a new radiograph is made of that same
11 anatomical part or place in a person's body, you as
12 the radiologist in order to interpret that correctly
13 will look not only at the radiograph that was just
14 done but at previous serial radiographs; fair enough?

15 A. That's correct,

16 Q. when you dictate your report, in that circumstance
17 where you are dictating the latest in a series of
18 radiographs, do you in fact describe anomalies that
19 were previously reported?

20 A. Not necessarily.

21 Q. Do you then, instead of describing them, simply make
22 reference to previous reports?

23 A. To previous films.

24 Q. Previous films. Okay. Relying on the fact that they
25 were reported previously?

- 1 A. Sometimes I do. **Sometimes** I don't. Often I don't
2 **have** reports in the jacket from previous films, so I
3 **look at** the old films. And if **it's these**, I'll just
4 say again **seen** or note **is made** of this **as** seen
5 **previously**.
- 6 Q. Let me **see** if I've got that **straight**. You're saying
7 that from time to **time** you will **have** a jacket that
8 **has the films in it but not** the reports?
- 9 A. Correct.
- 10 Q. You don't have the reports at hand?
- 11 A. Correct.
- 12 Q. And you are dictating a report on the most recent in
13 the series of **radiographs**; is chat **right**?
- 14 A. Correct.
- 15 Q. **And** instead of describing the abnormality that **you**
16 see not only in the **most** recent radiograph but in
17 previous radiographs, **you** will look at the most
18 recent one **and also the earlier films**; true enough?
- 19 a. True.
- 20 Q. And you will dictate something like "**as seen** again",
21 is that **what** you're **saying**?
- 22 A. Correct.
- 23 Q. **Is** it fair to say that if you don't have the **reports**
24 in front of you, you will not know as to whether or
25 not that particular abnormality has been reported

1 before? Fair enough?

2 A. That's true.

3 Q. If in fact *you* have the reports and you know that it
4 has not been reported before, you will as a rule
5 describe it in greater detail; true enough?

6 MR. OCKERMAN: Objection.

7 A. Might or might not. It would depend on what *it* was.

8 Q. Well, if you don't have the reports and *don't* know if
9 in fact it was reported before, *it's* not really
10 accurate to say "as seen again" or "as reported
11 previously" if you *don't* know if in fact it was
12 reported previously?

13 A. I *don't* use the words "as reported previously". I
14 use "as seen on the previous film", because I saw *it*
15 on the previous film, meaning it's there now and it
16 was there before.

17 Q. That you've seen it on the previous film?

18 A. Yes.

19 Q. Do you ever use the phrase "as again noted"?

20 A. Sometimes, yes.

21 Q. And do you use that when you *don't* know if in fact it
22 was noted previously?

23 A. I use the term "as again noted" meaning it was there
24 previously and *it's* there now. So I rely on visually
25 what I'm seeing.

- 1 Q. So noted to you doesn't imply that somebody actually
2 made a notation about it?
- 3 A. Correct.
- 4 Q. Have you reviewed the report of Dr. Robert Zimmerman
5 in this case?
- 6 A. No.
- 7 Q. Do you know who Dr. Zimmerman is?
- 8 A. I know of him, I don't really know him.
- 9 Q. What do you know of him?
- 10 A. He's a pediatric radiologist. I believe he's in
11 Philadelphia. That's about all I know.
- 12 Q. You haven't read his report?
- 13 A. I think I previously saw it the first time Michael
14 Ockerman brought me the films, and I skimmed part of
15 it but I didn't really read through the whole thing
16 in detail.
- 17 Q. You're not a pediatric radiologist; true enough?
- 18 A. No, I'm not.
- 19 Q. You don't have particular specialized training in the
20 reading of pediatric radiographs?
- 21 A. That's true.
- 22 Q. And in fact your practice at Columbia Mercy Hospital,
23 the vast majority of that is related to adults; true
24 enough?
- 25 A. Yes.

1 Q. What percentage of your radiological practice is
2 pediatric?

3 A. Five to ten percent.

4 Q. And in terms of neuroradiology, your practice is a
5 mixture, is it not, of radiology and neuroradiology?

6 A. Correct.

7 Q. Is there a difference between those two?

8 A. Neuroradiology is a subspecialty of radiology.

9 Q. What is the difference between the two?

10 A. Neuroradiology refers to radiology of the brain and
11 spinal column.

12 Q. And how much of that do you do, neuroradiology?

13 A. Oh, twenty to thirty percent.

14 Q. Of your practice?

15 A. Yes.

16 a. So if somebody like Dr. Zimmerman, who is a pediatric
17 neuroradiologist and has been in practice for thirty
18 some years, expresses an opinion, you would have a
19 certain amount of respect for that opinion if it's
20 related to radiology of a child and particularly
21 pathology related to the brain or the spinal cord?

22 MR. OCKERMAN: Objection.

23 A. Yes, I would have respect for it.

24 Q. And you would be prepared to say that in those
25 particular areas Dr. Zimmerman has more expertise

1 than *you*?

2 MR. OCKERMAN: Objection. In what
3 particular areas?

4 MR. GUTBROD: In the areas of pediatric
5 and neuroradiology.

6 MR. OCKERMAN: Versus a general
7 radiologist?

8 MR. GUTBROD: Right.

9 MR. OCKERMAN: Objection. Go ahead.

10 A. He certainly has more training in general pediatric
11 radiology. I'm not sure if he's a pediatric
12 neuroradiologist. If he is, then he has more
13 training in pediatric neuroradiology, yes.

14 Q. And so in light of the fact that he has more training
15 and more practice, you would agree that he has more
16 expertise than *you* in that particular area?

17 MR. OCKERMAN: Objection.

18 A. In pediatric radiology?

19 Q. Pediatric neuroradiology.

20 A. Is he a pediatric neuroradiologist?

21 Q. Yes.

22 a. Yes, I would agree with that.

23 Q. And if he expresses an opinion related to that
24 particular specialty, would you be willing to defer
25 to him *in* regard to that opinion *in* light of his

1 **experience and his expertise?**

2 MR. OCKERMAN: Objection. That's not a

3 **fair** question.

4 MR. MORIARTY: Objection.

5 A. **What** do you mean **by** defer to him?

6 MR. GUTBROD: **What's** the basis of your

7 objection, Mike?

8 MR. OCKERMAN: **The basis** of my objection

9 **is** you're **not** giving her the opinion **that he's**

10 **expressing.**

11 MR. GUTBROD: I don't **have** to give her

12 the opinion. I'm **asking** in general terms.

13 A. I don't know what you mean by defer to him, so I

14 **can't --**

15 Q. If **you** express an opinion **that is** in **some respects**

16 different **than** his opinion, **would** you be prepared to

17 defer to **his** opinion in light of **his experience** and

18 **expertise?**

19 MR. MORIARTY: Objection,

20 MR. OCKERMAN; Objection.

21 A. **Not** necessarily.

22 MR. MORIARTY; **Objection** to form.

23 A. Not necessarily.

24 Q. So I take it then that: when it **comes** to a case

25 involving pediatric neuroradiology, in your **view**

1 your opinion may be as good as or better than
2 Dr. Zimmerman's?

3 MR. OCKERMAN: Objection,

4 **a.** It may be different- I can't say whether it's as
5 good or better.

6 **Q.** You are board certified as of 1992; is that right?

7 **A.** Correct.

8 **Q.** So you have been a practicing board certified
9 radiologist for a total of four years?

10 **A.** Correct.

11 **Q.** So if Dr. Zimmerman writes that Dr. Vijay fell below
12 the standard of care in her report of the initial
13 imaging studies on Kayla Burkett in failing to note
14 and describe the cervical spine anomalies on April
15 1st and 2nd, 1988, do you agree with that?

16 MR. OCKERMAN: Objection.

17 **A.** Yes.

18 **Q.** Do you agree that Dr. Vijay fell below the standard
19 of care, in addition to those points, in failing to
20 recommend follow-up studies?

21 MR. OCKERMAN: Objection.

22 **A,** I disagree.

23 **Q.** And do you agree when Dr. Zimmerman expresses the
24 opinion that Dr. Hammel fell below the standard of
25 care in mentioning the anomalies in passing and not

1 **specifically describing them in his report of**
2 **April 4, 1988, and in not recommending follow-up**
3 **studies?**

4 A. **I disagree,**

5 Q. And I take it you **disagree** with **all** of those points,
6 that is, that Dr. Hammel fell below the **standard of**
7 care, **first of all, in mentioning the anomalies in**
8 **passing?**

9 A. I disagree, **yes.**

10 **a.** You **believe** that he **met the standard of care in**
11 **simply mentioning the anomalies in passing?**

12 MR. OCKERMAN: Objection.

13 A. **Correct.**

14 Q. And you also **believe that he met the standard of care**
15 **in failing to describe them in his report of April 4,**
16 **1988; true enough?**

17 MR. OCKERMAN: Objection. **Go ahead.**

18 A. **Correct.**

19 Q. And that he also **met the standard of care even though**
20 **he did not recommend follow-up studies?**

21 MR. OCKERMAN: Objection.

22 A. **Correct.**

23 Q. You **mentioned** earlier that **part of your practice**
24 **involves the interpretation of ultrasound films; true**
25 **enough?**

60

1 A. Yes.

2 Q. And do those involve in utero ultrasound films?

3 A. Yes.

4 Q. That's a small part of your practice but you do do
5 that?

6 A. That's a fairly significant part.

7 Q. Is it?

8 MR. OCKERMAN: She's not here to review
9 ultrasound films. She's here to give standard
10 of care opinions in regard to those radiology
11 films. We're not offering her for anything
12 else, and I think it's unfair for you to put
13 something up here that she's never seen and
14 I've never seen, nor is she here to give
15 opinions on that. I don't think it's fair.
16 I'm not going to let her answer those
17 questions.

18 MR. GUTBROD: Based on what, Mike?

19 MR. OCKERMAN: That's not what she's --
20 we are not going to offer her to look at any
21 other films other than those from Robinson
22 Memorial Hospital involving Doctors Vijay and
23 Hammel.

24 MR. GUTBROD: Well, Mike --

25 MR. OCKERMAN: And we're not going to

1 have her give testimony at trial. unless it
2 involves Dr. Vijay and Dr. Hammel.

3 MR. GUTBROD: First of all, I don't
4 know what questions are going to be asked of
5 Dr. Cawthon by any of the other lawyers here.
6 Second of all, the only basis that I know of
7 that you can instruct your witness not to
8 answer is privilege. There's no privilege
9 involved here. It may or may not be admissible
10 at trial. I think I'm perfectly within my
11 rights to ask her questions related to other
12 films.

13 MR. OCKERMAN: I think it's unfair and
14 I'm not going to let her do it, You can take
15 it up with the judge.

16 WR. GUTBROD: Well, I will.

17 MR. MORIARTY: What are the films?
18 I want to know what they are, so they're
19 identified on the record, so we know what
20 the dispute is.

21 MR. GUTBROD: First of all, we have
22 films related to ultrasound, in utero
23 ultrasound of March 5, 1988, of this child
24 that I think is very relevant to this case
25 and should be admitted. Plus I think we have

1 films **that** axe pertinent tu follow-up care
2 that I would like **to ask** Dr. Cawthon about.

3 MX. OCKERMAN: **That's** not **what she's**
4 here for. Her **opinions will go** strictly to
5 the films that Dr. Vijay and Dr. Hammel read
6 and interpreted. We're not offering her for
7 anything else.

8 MR. GUTBROD: **Can** you insure for me
9 that the other **lawyers** here are **going** to
10 ask questions strictly along the lines of **what**
11 **you** confine your direct **examination** to?

12 MR. OCKERMAN: When are they going to
13 do **it**, if they're not **going** to do it here? Are
14 they going **to do it** when she's on the stand?

15 MR. GUTBROD: Absolutely.

16 MR. OCKERMAN: I **don't think** that's
17 fair either, **That's** not what she's here **for**.
18 **That's** not **what** we're offering her for,

19 MR. GUTBROD: I don't care. I mean
20 **unless** you can **guarantee** for me that **these**
21 lawyers aren't going to ask her questions
22 **related to** other matters **in this case**
23 pertinent to her **specialty**, I don't **see** how
24 **you** can **prohibit** her from **answering** the
25 questions here.

1 MR. OCKERMAN: I **can't** guarantee you
2 anything.

3 MR. GUTBROD: **That's** right.

4 MR. OCKERMAN: **Ask** those gentlemen.

5 MR. GUTBROD: I'm not **going ask** them,
6 There **is** no **basis** for your refusal to let her
7 do it. **All** right. Well, **we'll take it up**
8 **with the court.**

9 MR. MORIARTY: Who read these films?
10 Was it one of the defendants or an agent of
11 one of the defendants?

12 MR. GUTBROD: Absolutely.

13 MR. OCKERMAN: Who? Tell us on the
14 3-5-88 ultrasound, who is --

15 MR. GUTBROD: Kayla Burkett read by
16 Robinson Memorial Hospital --

17 MR. OCKERMAN: Well, who?

18 MR. GUTBROD: -- who **is a** defendant in
19 the case.

20 MS. FRANKLIN: I object. I haven't seen
21 these films.

22 MR. GUTBROD: They were taken at
23 Robinson Memorial Hospital. They were
24 interpreted by a radiologist there.

25 MR. OCKERMAN: Who? Is it by Harnmel or

64

1 Vijay?

2 MR. GUTBROD: No, but it's by Robinson
3 Memorial Hospital, a defendant in this case.

4 MS. FRANKLIN: They weren't read by
5 Robinson Memorial Hospital.

6 MR. GUTBROD: They were taken at
7 Robinson Memorial Hospital; right?

8 MS. FRANKLIN; Right. I think that if
9 you're going to have her interpret them, they
10 have to be identified beyond the fact that they
11 were taken at Robinson Memorial Hospital. Do
12 you have a report with them?

13 MR. GUTBROD: We have a report.
14 There's a report in the file of the 3-5-88
15 ultrasound that was done,

16 MR. MORIARTY: Look, you guys can argue
17 about it. Either Mike is going to let her
18 answer the questions or not. And you're either
19 going to not pursue it or you're going to call
20 the judge or we're just going to go on to
21 something else.

22 MR. OCKERMAN: Call the judge.

23 MR. MORIARTY: If Mike isn't going to
24 let her answer, you're not going to talk him
25 into it, so --

1 MR. GUTTBOD: Okay. Let's call the
2 judge.

3 (Discussion was had off the record.)

4 MR. GUTTBOD: We'll file a motion with
5 the court and bring Dr. Cawthon back.

6 MR. OCKERMAN: That's fine, if that's
7 what the court says.

8 MR. MCRIABTY: Let the record note I
9 personally do not want to be dragged back down
10 here to redepose this witness on this issue.

11 MR. OCKERMAN: Are you going to ask her
12 questions at trial in regards to any
13 interpretations that your client may have seen?

14 MR. MORIARTY: I have no idea what I
15 might ask her. It depends what she says in
16 response to Jim's questions. I can't control
17 how he resolves this issue with you and it is
18 his deposition.

19 BY MR. GUTTBOD:

20 Q. Doctor, do you have a copy of your report, your
21 letter?

22 A. I don't have it with me.

23 MR. GUTTBOD: Do you have a copy of it,
24 Mike?

25 MR. OCKERMAN: Yes.

- 1 Q. Would you mind reading for me the third paragraph
2 into the record so we can talk about it?
- 3 A. With the limited clinical history given at the time
4 of interpretation of the radiographs, the
5 radiologists were not in a position to recommend
6 further imaging studies without knowing the full
7 clinical spectrum of the patient. Furthermore, the
8 ordering physician was aware of his request for a
9 chest radiograph which is generally performed to
10 evaluate the heart and lungs. The skeletal
11 abnormalities were an incidental finding identified
12 and reported to the requesting physician. The
13 osseous structures are obviously not optimally
14 evaluated on a study performed with a technique and
15 positioning to optimize evaluation of the lungs and
16 soft tissues.
- 17 Q. You're trying to make a case for the point in this
18 paragraph that in your view it's not the
19 radiologist's job to recommend further imaging
20 studies in general?
- 21 A. In this case.
- 22 Q. And I think based upon your previous testimony, in
23 any other instances it's not the radiologist's job?
- 24 A. It depends on each individual case.
- 25 a. How often do you recommend follow-up studies?

1 A, It depends on the case. Some days I recommend quite
2 a few. Some **days** I don't recommend any,

3 Q. So is it possible that you could review a hundred
4 radiographs in a day and not recommend a follow-up
5 study?

6 A. Probably not.

7 Q. Do you have any idea what the average would be, five,
8 ten, twenty, thirty, fifty?

9 A, I don't know. It depends on what I'm doing. Some
10 days I'm doing much more complicated imaging studies
11 than others.

12 Q. In any event, in your view in this case the
13 responsibility for follow-up studies and follow-up
14 care rested with the clinician?

15 A. Correct.

16 Q. Is that your opinion?

17 A. Correct.

18 Q. Given the report that the clinician received in this
19 case, the report of -- let's say Dr. Hammel's report
20 of April 4, 1988, what would have been your
21 expectation in terms of the follow-up studies or
22 follow-up care that the clinician, Dr. Lang,
23 undertook upon receiving this report?

24 MR. GROEDEL: Objection, asked and
25 answered I believe.

- 1 MR. OCKERMAN: Objection,
- 2 Q. Go ahead.
- 3 A. I need you to state the question again.
- 4 (The court reporter read the preceding
- 5 question as follows; Given the report that the
- 6 clinician received in this case, the report
- 7 of -- let's say Dr. Hammel's report of April 4,
- 8 1988, what would have been your expectation in
- 2 terms of the follow-up studies or follow-up care
- 10 that the clinician, Dr. Lang, undertook upon
- 11 receiving this report?)
- 12 A. I would have expected a referral to a pediatric
- 13 subspecialist or pediatric specialist.
- 34 Q. Such as?
- 15 A. A pediatric orthopaedic surgeon or a pediatric
- 16 neurologist or both.
- 17 Q. And why?
- 18 A. To further evaluate the spine for possible anomalies
- 19 or for possible complications of the anomalies that
- 20 are present, which may or may not be clinically
- 21 significant.
- 22 Q. And you don't know?
- 23 A. No.
- 24 Q. Given the presentation that you have on these
- 25 radiographs, you don't know the clinical significance

- 1 of what you see here?
- 2 A. Correct.
- 3 Q. And somebody whose specialty or whose training is
- 4 more directed in that area is the person who ought to
- 5 be undertaking the care here?
- 6 A. Correct.
- 7 Q. Now, what would have been your expectation of the
- 8 pediatric orthopaedist or the pediatric neurologist?
- 9 What in general would you have expected him to do?
- 10 MR. MORIARTY: Objection.
- 11 MR. OCKERMAN: Objection.
- 12 a. I can't comment on that, because I don't know what;
- 13 they saw in the patient. I wasn't there when they
- 14 examined the patient. I'm not a pediatric
- 15 orthopaedic surgeon nor am I a pediatric neurologist,
- 16 so I really can't comment on that.
- 17 a I understand. You would have liked to have seen
- 18 follow-up studies?
- 19 A I would think they'd be helpful.
- 20 Q. And again you've told us about MRI particularly or CT
- 21 particularly?
- 22 A. Correct.
- 23 Q. I take it you have contact with orthopaedic surgeons?
- 24 A, Yes.
- 25 Q. Regularly?

1 A. Fairly regularly.

2 Q. And in what way does that contact come about?

3 MR. OCKERMAN: Objection.

4 A. Usually they're coming through to review some films,
5 want to review a case with me. sometimes they see me
6 in the hall and give me the results of a case that I
7 read and they remember I read it.

8 Q. To give you the results of a case?

9 A. Right. If I read a case and I described something in
10 the knee or shoulder and they've done surgery on it,
11 they'll tell me what they found and how it agrees
12 with my report.

13 Q. Do orthopaedic surgeons in general read their own
14 radiology studies?

15 MR. OCKERMAN: Objection.

16 A. I don't know.

17 Q. Do orthopaedic surgeons send x-rays to you?

18 A. Correct.

19 a. And you review them?

20 a. Usually the x-rays are done at the hospital and they
21 come in and review the hospital films with us.

22 Q. So the orthopaedic surgeons come into the hospital
23 and review the films with you?

24 A. Sometimes,

25 Q. Is that typical for other specialties?

71

1 MR. OCKERMAN: Objection.

2 A. Sometimes.

3 MR. GUTBROD: I think: **that's** all I have,

4 - * - - - - -

5 CROSS-EXAMINATION

6 BY MR. MORIARTY:

7 Q. When you reviewed **these** films in this case for the
8 very first time, had you already had **some discussions**
9 **with** Mr. Banas or Mr. Ockerman?

10 A. No.

11 Q. You didn't know word one about **this case**?

12 A. No.

13 Q. So they- just **asked you**, "We **want** you to **look at some**
14 **films**"?

15 A. Correct,

16 Q. And then they showed you **some films**?

17 A. Correct.

18 Q. Did **they ask** you to interpret the films?

19 A. Yes.

20 Q. You didn't know one thing about **this case**?

21 A. NO.

22 Q. You saw the films, *you* interpreted the **films**, and
23 **then** later **you saw the** reports?

24 A. Yes.

25 Q. And later you found out **the** more general scenario of

1 what happened in this case?

2 A. Yes.

3 Q. Do you remember if the **very** first time you saw the
4 **films** from **April** 1st and before **you had** seen the
5 reports, before you knew anything more about the
6 **case**, do *you* remember whether or not you identified
7 **cervical spinal** anomalies?

8 MR. OCKERMAN: From the **April** 1st, 1988,
9 film?

10 MR. MOBIARTY: Yes.

11 A. I don't really remember. I think I did, but it took
12 me awhile to see them.

13 Q. Do you remember whether you **saw** them on the **April 2nd**
14 **films** in this initial review?

15 A. I can't remember.

16 a. Do you remember whether or not you saw them in the
17 April 4th, 1988, film?

18 A. I *think* I saw them on that film. I can't **really**
19 remember which film I saw them on. I know I saw them
20 on one film that **was** more **obvious** than the others.

21 Q. How often do **you** Look **at** neonatal **chest x-rays** in
22 **your own practice**?

23 A. Oh, once a week.

24 Q. How often do you look at spine films, adult or
25 pediatric?

1 A. Almost every **day**.

2 Q. *You were talking* before about your own practice, **and**
3 *you* said that sometimes if you're concerned about **a**
4 finding you **will** put it **in** the **body** of the report and
5 in the impression **section**; correct;?

6 **a.** correct.

7 Q. Does the standard of care require that?

8 **A.** I don't know.

9 Q. I **assume** that you **do** that because you want to make
10 sure that a **busy** ordering physician *sees* it if that
11 busy ordering **physician** only **reads** the **impression**?

12 **A.** Correct.

13 Q. *When you are dictating*, do you assume that the
14 ordering **physicians** all only read the impression
15 section?

16 MR. OCKERMAN: Objection.

17 **A.** Not necessarily.

18 Q. well, just tell me in your own experience when you
19 put it in the impression section and when *you* only
20 leave a finding in the **body** of the report,

21 **A.** In the body of the report I try to **describe** anything
22 I see. It may be something that's obviously
23 insignificant, but than no one **could** fault me for not
24 seeing it **because** I mentioned it,

25 So in the impression I **put** things that I

1 feel are pertinent that I want to make sure the
2 clinician knows. **Sometimes** they may not be pertinent
3 and I don't know. For instance, I may have a normal
4 study, normal **chest x-ray, and** note is also made **of a**
5 stable appearing lung mass, **because I've seen** it
6 **before** and it's obviously benign, so I'll just note
7 **it.** And I'll put **that** in the impression, so when he
8 reads it, he knows okay, it's a normal study, she **did**
9 note this and compared it, **so** he knows that I
10 evaluated **what** he sent the patient in for. It's **like**
11 **a** time-saving device, I guess I do it for
12 convenience for the clinician. So I try to put
13 anything that I think might be pertinent to that
14 patient, **a** pertinent negative or pertinent positive,
15 **partially,** too, to make sure it gets read, but it
16 also makes it more convenient for them, That's just
17 **my** own way of reporting.

18 Q. Do you think in general **a** cervical spinal anomaly is
19 pertinent?

20 **a.** Yes.

21 **a.** In **this case,** based on the films **from** the 1st, 2nd,
22 and 4th, **do** you think that **the** cervical, spinal
23 finding was pertinent?

24 A. Yes, it **is,** except there's **already a** thoracic
25 abnormality, **so you have to** just assume that there

1 could be **anomalies** elsewhere. So as long as
2 there's anomalies mentioned in **the** spine, it needs
3 to be further worked **up**. Even if there **was** no
4 cervical **anomaly** present in this case, it was
5 perfectly normal, I **would** still **want** this kid worked
6 up.

7 Q. If you had personally been the radiologist to read
8 all three of these, would you have mentioned the
9 cervical **spinal** anomalies in the impression section
10 on one or all of the three reports?

11 A. **It's** hard to look back in retrospect. Probably I
12 would have mentioned cervical, thoracic, and rib
13 anomalies. I would have **put** it in one sentence.

14 Q. Do *you think* the **standard** of care required that?

15 A. No.

16 Q. I'm not a **radiologist**, so I don't know how you work
17 or what the standard of care is, but in your answers
18 to Mr. Gutbrod's questions you were **expressing** some
19 question about these **cervical spinal** anomalies.
20 They're **difficult** to see, I **think** that's the
21 phraseology you used.

22 A. Correct.

23 **a.** **If** you look and something is difficult to see, maybe
24 it's a problem, maybe it isn't, **does** the **standard** of
25 care require you to err on the side of caution **and**

- 1 include it **as a** possible finding?
- 2 **a.** Probably.
- 3 Q. Mr. Gutbrod was **asking** you some **questions about** the
- 4 language in radiology reports, using the terms -- and
- 5 these are in quotation marks -- "seen" and then a
- 6 **separate** term "noted". Do you remember those
- 7 questions?
- 8 A. Yes.
- 9 Q. Would you agree with me that the ordering physician
- 10 **would** only know **what** was **seen** or noted on a film
- 11 based upon what the radiologist dictated in his **or**
- 12 her report?
- 13 A. That's true.
- 14 Q. Assuming they didn't have an oral conversation about
- 15 the findings?
- 16 A. True.
- 17 Q. So in **this** particular case, for **example**, the
- 18 **physicians** to whom **these** reports were going, be they
- 19 Dr. Lang or someone in the **neonatal** care **unit**, they
- 20 would **only** know **that** cervical **spinal** anomalies were
- 21 seen or noted if **that** was reported on the radiology
- 22 reports; correct?
- 23 A. Correct.
- 23 Q. And assuming they didn't read the films themselves.
- 25 In paragraph three *of* your report you **used** the

1 phrase, "The skeletal abnormalities were an
2 **incidental** finding." What do you mean by **that**?

3 **A.** They were a **finding** not **expected**, Pediatric chests
4 are generally performed to evaluate the **heart and**
5 lungs, Often newborns **are** having **trouble** breathing,
6 so you're evaluating the lungs to make sure they're
7 well aerated, they're not collapsed. And the bones
8 are visualized and there's something seen but a
9 finding really not **associated** with the reason for the
10 exam being performed.

11 **Q.** Just a couple more things. **Just** so I understand
12 the obligations of a radiologist, when that
13 radiologist is reporting the third in a series of
14 films, **as** I understand it the **radiologist** has several
15 options. One of those options is to fully report and
16 describe all the findings that the radiologist sees
17 on the film they're looking at, Is that one of the
18 options?

19 **A.** **Yes.**

20 **Q.** Another option is to give an abbreviated or a
21 partial description with reference back to what has
22 been **described** in the prior reports. Correct?

23 **A.** **Yes.**

24 **Q.** Are there any other **options** in reporting than **those**
25 **two**?

1 A. You can compare **with** the previous **films**, You were
2 saying compare with previous reports.

3 Q. Well, what I'm talking about **is** not just when **you** as
4 a radiologist are **looking at** the **films**. I'm talking
5 about the reports, what the radiologist **is** going to
6 convey **via** the written report to the ordering
7 physician. So you can either describe everything or
8 make an abbreviated **description** referring back to the
9 prior reports; correct?

10 A. True.

11 Q. And again, getting **back** to what I asked **you** a few
12 minutes ago, if you jus-, refer back to the prior
13 film, unless you **have** the reports, you don't know
14 what was already communicated to the ordering
15 **physician**?

16 A. Correct.

17 Q. Now, if the radiologist chooses our second option,
18 which is the **partial** or abbreviated description with
19 reference **back** to **the** reports, that radiologist would
20 have to read the **prior** reports to know what
21 additional material to add or subtract or compare;
22 correct?

23 a. If he's referring back to the reports, yes.

24 Q. Well, **if** the radiologist chooses that option, **doesn't**
25 he or she have to look at the reports?

- 1 A. If **he's** referring **back** to the reports. It depends if
2 you refer back to the **reports** or the films.
- 3 Q. If **ycu** take the option where **you're** giving the
4 abbreviated description, if you only refer back to
5 the prior film, you are making **an assumption** about
6 **what has** been reported on the second film or the
7 first film in the series, are you not?
- 8 A. **That's** true.
- 9 Q. In your opinion, does the standard of care require
10 the radiologist to **look** at the report and not
11 make assumptions about **what** has already been
12 reported?
- 13 A. No.
- 14 Q. So in **your** practice, you assume **as** the third reader
15 in a **series** that the prior two radiologists have
16 seen everything and reported everything
17 appropriately?
- 18 A. Usually we **make** a notation on the jacket that's very
19 abbreviated. So it would have **a** brief handwritten
20 notation, not detailed at **all**, of **what** the **previous**
21 radiologist **saw**, their final **impression**, **normal**,
22 **abnormal**, **whatever**, **because we** don't -- we often have
23 a delay in *the* written reports getting in the jacket
24 because the clinical reports take priority.
- 25 Q. **I** understand that. Maybe I'm not being clear in **my**

1 question.

2 MR. OCKERMAN: Maybe.

3 Q. You're there and you're reading. You've got these
4 films in front of you that you've been asked to read.
5 There are two sets of films in the jacket already and
6 this third sat is now before you. Correct? Your
7 practice and the standard of care is going to be to
8 compare the films.

9 A. Correct.

10 Q. Now, in deciding how to report on the third set of
11 films, do you assume that the prior two radiologists,
12 number one, saw everything, and, number two, reported
13 all the pertinent findings? Do you make those two
14 assumptions?

15 A. It depends on what the abnormality is.

16 Q. Is it within the standard of care to make those two
17 assumptions, that the prior radiologists, number one,
18 saw all the pertinent findings and, number two,
19 reported all the pertinent findings?

20 A. I don't know if I can answer that, because how do you
21 know?

22 Q. How do you know unless you read the reports; correct?

23 A. Well, how do you know what's going to end up being
24 pertinent sometime in the future?

25 Q. Well, to you as the radiologist looking at the films

1 **at the time.**

2 A. Correct, **if it's** something you feel that is
3 pertinent, you would look at **their old reports.**

4 Q. Now, for example, **in this** specific case you have
5 already told me **that** the cervical spinal anomalies
6 **would** have been a pertinent finding; **is that**
7 correct?

8 A. Correct.

9 Q. Dr. Hammel had a decision to make about how he
10 reported the **films that** he saw on **April** the 4th,
11 1988; correct?

12 A. Correct,

13 Q. He apparently assumed that the cervical **spinal**
14 anomalies had already been **seen and** reported by
15 Dr. Vijay in the prior two studies; correct?

16 MR. OCKERMAN: Objection.

17 A. I don't know **what** he assumed, I don't know --

18 Q. Let's assume that he --

19 A. Wait a minute. I **don't** know what you're getting at,
20 **because** he **says** right here that there's congenital
21 skeletal changes right in the impression of **his**
22 report. You **know**, whether he went into detail
23 describing them or not doesn't matter. The point is
24 he's described a dorsal anomaly, **a** cervical **anomaly**,
25 and **his** impression is congenital **skeletal** changes

- 1 are again seen. That means that kid **needs to be**
2 further worked up. End of story. We can talk **all we**
3 **want** about assumptions **and previous** reports. The
4 point **is** the abnormalities are here, they're in black
5 and white, and this kid needs to be further worked
6 up. **So what's** the sense in trying to **talk** about **what**
7 he assumed in **terms** of prior reports?
- 8 Q. Well, for example, let's **assume** that the pediatrician
9 feels that he was not adequately informed about these
10 findings because they were so incidentally **included**
11 in the report. **Okay?** **So** it might be important to
12 somebody.
- 13 A. If he **doesn't** understand them, he should talk **to** the
14 radiologist. This **is** not **a normal finding**. This **is**
15 **a** general medical problem **that** may or may not be
16 significant,
- 17 Q. So what you're telling me **is** that because he made --
18 Let me **ask** you **this** way. **Let's assume** that
19 Dr. Hammel had in **his** hands on the 4th not only the
20 films but the reports that had been dictated by
21 Dr. Vijay. **Okay?**
- 22 A. **Okay.**
- 23 Q. **And let's assume** that he looked **at** the **films** and he
24 looked **at** the reports and he realized that Dr. Vijay
25 had not reported **at all** the cervical spinal

1 anomalies. All right?

2 A. Okay.

3 Q. Under that **set** of circumstances **and** had *you* been in
4 **Dr. Hammel's** shoes, **would you have personally** made a
5 more detailed description of the cervical spinal
6 **anomalies?**

7 A. I might not have.

8 Q. But *you* might have?

9 A. I might have. I don't know **at** the time.

10 Q. Would *you* have personally made some reference to the
11 fact that these were **a new** finding **that** had not been
12 seen or reported in the previous **films?**

13 A. I don't know.

14 Q. Would you have somehow in some **way** flagged *the*
15 **cervical** spinal anomalies more than Dr. Hammel did?

16 A. Not **necessarily.**

17 Q. Would the **standard** of care require that those
18 **cervical** spinal anomalies **be** more carefully flagged
19 than Dr. Hammel did, **assuming** he knew that they **had**
20 not been previously reported at all?

21 A. No.

22 Q. If Dr. Hammel **knew** that they had not **been previously**
23 reported in **writing**, would the standard of care have
24 required him **to pick up the telephone** and call the
25 pediatrician?

1 A. I don't think so.

2 MR. OCKERMAN: Let's **take a** short break.

3 (Discussion was had off the record.)

4 BY MR. MORIARTY:

5 Q. You already told us before it is your opinion that
6 Dr. Hammel complied with the **standard of care**?

7 A. **Yes.**

8 Q. What's the **basis** for that opinion?

9 A. He read the chest x-ray **appropriately**, described the
10 heart and **lungs** appropriately. The skeletal
11 anomalies were stated in **his** report in the
12 impression. And once there is a vertebral anomaly **at**
13 one **level**, the whole **spine** needs to be looked at at
14 **some** point in time.

15 Q. Do you know from your own experience **as** a physician
16 whether or not the **standard** of case **requires** the
17 physician who ordered the study to read the entire
18 report?

19 A. I don't know.

20 a. Do you have **any** sense **from** your own personal
21 experience how often ordering **physicians** read the
22 entire report **as opposed to** just reading the
23 impression?

24 MR. OCKERMAN: Objection,

25 A. I don't know, except first **of all** you're ordering a

I study for reasons, so it seems that you're asking
2 questions and waiting for an answer. The same with
3 an x-ray report. If the impression comes out
4 normal -- clinicians want to know is it normal or
5 abnormal. If it's normal, it's normal. I don't
6 think they really care about reading the descriptive
7 content of the report in terms of heart and lungs.
8 When there's an abnormality mentioned, I think that
9 means you go up and read the report, because there
10 is an impression saying something is abnormal. So
11 from being with clinicians, most clinicians read
12 the reports unless they're normal. If the
13 impression says normal, they may not read the entire
14 report.

15 Q. And just so I specifically understand your opinion
16 with respect to this exact report written by
17 Dr. Hammel, impression number two says, "Congenital
18 skeletal changes are again seen." Do you see that?

19 A. Yes.

20 Q. You don't believe that the standard of care
21 required him to flag the fact that this was the first
22 written report of certain different skeletal
23 anomalies?

24 A. No.

25 MR. MORIARTY: That's all I have.

1 Thank *you*.

2 MS. FRANKLIN: I **have** nothing.

3 - - - - -

4 CROSS-EXAMINATION

5 BY MR. GROEDEL;

6 Q. I just have one **question** for you. You mentioned that
7 **Dr. Hammel's** diagnosis in your opinion **would lead** to
8 an expectation on **your part of** a workup by **either** a
9 pediatric neurologist, **pediatric** orthopaedist, or
10 both.

11 A. Yes.

12 Q I **take** it as **a radiologist** you don't have an opinion
13 **as to** the speed in which that workup would be
14 necessary, because that would be **something** that would
15 be **based** upon clinical **signs** and **symptoms**?

16 A. Correct.

17 Q So even though you would **expect** some sort of workup
18 **to be** undertaken, you're not expressing **an** opinion
19 as to how quickly that workup would need **to be done**.
20 It would depend **upon** the clinical **situation** that
21 **the** clinician **was** being faced with. Is that
22 correct?

23 A. **That's correct.**

24 MR. GROEDEL: That's all I have.

25 - - - - -

1 FURTHER CROSS-EXAMINATION

2 BY MR. GUTBROD:

3 Q. I want to get clear on something, and hopefully this
4 will be it. Your testimony, I take it, Dr. Cawthon,
5 is that no matter what Dr. Hammel assumed or didn't
6 assume, his care **was** appropriate?

7 A. Correct.

8 Q. And I take it you understand that Dr. Hammel himself
9 has testified, quote, "If I'd had the previous
10 report, I **would** have dealt with the **situation** much
11 differently than I **did**. I had the films, but I don't
12 **believe** the report **was** in the jacket." Question, "So
13 the reason you didn't describe the cervical spine
14 abnormalities in greater **detail** **is** because you
15 **assumed** that these films were accurately **and**
16 appropriately **read** within the standard of **care** on
17 April the 1st and **April** the 2nd?" Answer, "I
18 expected that was the **case**, yes."

19 Do you recall **reading** that in the
20 deposition **of** Dr. Hammel?

21 A. Yes.

22 Q. Just so I'm *clear*, even **though** Dr. **Hammel** himself
23 testified that he was assuming that it had been
24 reported accurately and that if it hadn't been
25 reported accurately in more detail, he **would** have

1 acted much differently than he did, **even** though we
2 **have** that testimony from Dr. Hammel, you still
3 **believe that** Dr. Hammel, **given what he wrote here**,
4 met the **standard** of care. Fair enough?

5 A. **Yes.**

6 Q. The only other thing I have **is** -- I'm not clear and
7 I **guess** you've answered this question **already**. when
8 is **it** that you, include something in the impression
9 that's not included in the **body** of the report?

10 Let me **put** the question this way. What
11 **is the criteria** you use for what gets included in **the**
12 impression?

13 A. Any abnormality I include in the impression or
14 reference to an abnormality I include in the
15 impression or I **include** pertinent negatives.

16 a. **Any abnormality, any reference to an abnormality, and**
17 any negative impressions?

18 A. pertinent negatives.

19 Q. **And is** it your impression in general that the
20 ordering **physician**, when he **receives** a radiology
21 report, if it's normal, there's no **expectation** on
22 your part that he's **going to read** the body of the
23 report?

24 MR. OCKERMAN: Objection.

25 A. I **don't** always know if he's going to read the body.

1 Q. I'm **asking** what is your expectation. I **mean**
2 **obviously** you **have** some expectation in what you're
3 dictating of **what** the **physician** is going to read,
4 True enough?

5 A. True.

6 Q. So **what** is your **expectation** in terms of what part of
7 your report the doctor is going to read?

8 A. I **expect** the doctor to read the whole report, To
9 protect myself **legally**, I put pertinent things in the
10 impression.

11 Q. Do you think the **standard** of **care** requires the
12 ordering doctor to read the whole report?

13 MR. OCKERMAN: Objection. **Go** ahead.

14 A. I don't know. I **expect** they **should** read the **whole**
15 report.

16 Q. Can you comment on what the **standard** of **cave** is for
17 the ordering doctor in terms of the report 'chat he
18 receives from the **radiology** department?

19 A. I don't know. I'm not a -- I don't know, I **would**
20 **expect** the report would be read,

21 Q. In its entirety?

22 A. Right.

23 MR. GUTBROD: That's **all** I have.

24 MR. OCKERMAN: **Doctor**, you **have** the
25 right to **review this** transcript if it is

1 ordered. And if it *is* ordered, I would **say**
2 that you should review it, but I would ask
3 that **we** have fourteen **days** for her to review
4 it rather than seven. You can't change
5 anything that you **said**, only if you feel that
6 Linda took it down inaccurately.

7 THE WITNESS; Okay.

8 MR. OCKERMAN: Can **we** have that
9 agreement, Jim?

10 MR. GUTBROD: Yes.

11 - - - - -

12 (The **deposition** was concluded at 4:25 p.m.)

13 - - - - -

14 (Plaintiff's Deposition Exhibit 11,
15 Dr. Cawthon's report and C.V., was marked
16 for identification.)

17 - - - - -

18

19

20

21

22

23

24

25

S I G N A T U R E

I, LAURA A. CAWTHON, M.D., do hereby certify that I have read my deposition taken on October 7, 1996, in the case of Kayla L. Burkett, etc., et al., versus Cleveland Clinic, et al., consisting of ninety-two pages, and that said deposition is a true and correct transcription of my testimony.

Laura A. Cawthon, M.D.

Dated **this** _____ day of _____, 13_____

Sworn to and subscribed before me this _____
day of _____, 19_____

Notary Public

My commission **expires** _____

- - - - -

1 C E R T I F I C A T E

2 STATE OF OHIO, }
3 SUMMIT COUNTY, } SS:

4 I, Linda McAnallen, a Stenographic Reporter and
5 Notary Public in and for the State of Ohio, duly
6 commissioned and qualified, do hereby certify that the
7 within-named Witness, LAURA A, CAWTHON, M.D., was first
8 duly sworn to testify the truth, the whole truth and
9 nothing but the truth in the cause aforesaid; that the
10 testimony so given by her was by me reduced to Stenotype
11 in the presence of the witness, and that the foregoing is
12 a true and correct transcription of the testimony so given
13 by her as aforesaid.

14 I do further certify that this deposition was taken
15 at the time and place in the foregoing caption specified.

16 I do further certify that I am not a relative,
17 counsel or attorney of either party nor otherwise
18 interested in the event of this action.

19 IN WITNESS WHEREOF, I have hereunto set my hand and
20 affixed my seal of office at Cuyahoga Falls, Ohio, this
21 10th day of October, 1996.

22

23

24

25

Linda McAnallen, Notary Public
My commission expires July 24, 2000.