

1 IN THE COURT OF COMMON PLEAS

2 OF CUYAHOGA COUNTY, OHIO

Doc

108

3 FRANCES SMITH, etc.,

4 Plaintiff,

5 vs.

Case No.

6 ST. LUKES HOSPITAL, et al.,

100877

7 Defendants.

8 - - - - -

9 Deposition of ROBERT D. CENDO, a

10 witness herein, called by the Plaintiff

11 for examination under the statute, taken before

12 me, Tia G. Moseley, a Registered Professional

13 Reporter and Notary Public in and for the State

14 of Ohio, pursuant to notice and stipulations of

15 counsel, at St. Luke's Hospital, 11311 Shaker

16 Boulevard, Cleveland, Ohio, on Tuesday, March

17 10, 1987, at 3:30 o'clock p.m.

18 - - - - -

## 1 APPEARANCES:

2 On behalf of the Plaintiff:

3 Charles Kampinski Co., L.P.A., by

4 CHARLES KAMPINSKI, ESQ. and

5 CHRISTOPHER M. MELLINO, ESQ.

6 1530 Standard Building

7 Cleveland, Ohio 44113

8 781-4110

9 On behalf of the Defendant St. Luke's  
10 Hospital:

11 Arter &amp; Hadden, by

12 MICHAEL ZELLERS, ESQ.

13 1100 Huntington Building

14 Cleveland, Ohio 44115

15 696-1000

16 On behalf of Defendants Dr. Timothy L.  
17 Stephens and Dr. Curtis W. Smith:

18 Reminger &amp; Reminger Co., L.P.A., by

19 MARC W. GROEDEL, ESQ. and

20 PETER J. MARMAROS, ESQ.

21 The 113th Building

22 113 St. Clair

23 Cleveland, Ohio 44114

24 687-1311

25

PG LN -----COMPUTER INDEX-----

PG LN BY-M\*  
4 9 OF ROBERT DAMEAN CENDO BY-MR. KAMPINSKI:

PG LN MARK'D

PG LN AFTERNOON-SESSION

PG LN -----THIS-INDEX-IS RESEARCHED BY-COMPUTER-----



1 On behalf of the Defendant Sang J.  
2 Lee, M.D.:

3 Jacobson, Maynard, Tuschman &  
4 Kalur, by  
5 JEROME S. KALUR, ESQ. and  
6 MARY HALFPENNY, NURSE/PARALEGAL  
7 100 Erieview Plaza - 14th Floor  
8 Cleveland, Ohio 44114  
9 621-5400

10 On behalf of the Defendant  
11 Agnes Sims, R.N.:

12 Kitchen, Messner & Deery, by  
13 STEVEN W. ALBERT, ESQ.  
14 1100 Illuminating Building  
15 Cleveland, Ohio 44113  
16 241-5614

17 -----

18

19

20

21

22

23

24

25

1 MR. KAMPINSKI: Swear in the  
2 witness, please.

3 ROBERT DAMEAN CENDO, of lawful age,  
4 called for examination, as provided by the Ohio  
5 Rules of Civil Procedure, being by me first  
6 duly sworn, as hereinafter certified, deposed  
7 and said as follows:

8 EXAMINATION OF ROBERT DAMEAN CENDO  
9 BY-MR. KAMPINSKI:

10 Q. Would you state your full name, please.

11 A. Robert Damean Cendo.

12 Q. Where do you live, Mr. Cendo?

13 A. At 12900 Lake Avenue, PH 30.

14 Q. PH 30?

15 A. Penthouse 30, Lakewood, Ohio, 44107.

16 Q. Where are you employed, sir?

17 A. St. Luke's Hospital.

18 Q. And in what position?

19 A. I'm a resident in orthopedics.

20 Q. How old are you?

21 A. 32.

22 Q. I'm going to ask you a number of  
23 questions. If you don't understand any of them  
24 please tell me and I'll be happy to rephrase  
25 them. When you respond, please speak out

1 verbally.

2 A. I understand.

3 Q. Run me through your educational  
4 background, sir, please, if you would.

5 A. I was actually born in Ljbljuana, it's a  
6 city on the border of Austria and Yugoslavia,  
7 and went to first grade there.

8 I then arrived the United States in 1962,  
9 went to two years of the St. Vitus and  
10 continued my education through various public  
11 schools around the city as we moved.

42 Q. In Cleveland?

13 A. Well, Cleveland and Parma and then  
14 Strongsville, as we moved economically to the  
15 suburbs, sort of my schools changed, and then I  
16 enrolled in Lake Ridge Academy, where I  
17 graduated in 1972, it's a high school out in  
38 North Ridgeville.

19 Q. What did you do after that?

20 A. I went to Case-Western Reserve where I  
21 received a B.S. Degree in chemistry.

22 Q. When?

23 A. Actually, in 1975 is when I finished. I  
24 went for three years. I then went down to  
25 University of Autonomous in Guadalajara.

- 1 Q. Mexico?
- 2 A. Mexico, correct, and I went there for  
3 about three years.
- 4 Q. When did you go?
- 5 A. It would have been in 1976.
- 6 Q. Until when?
- 7 A. Until 1979.
- 8 Q. Did you apply to any American medical  
9 schools?
- 10 A. No, I only went to three years in college.
- 11 Q. Did you get a degree?
- 12 A. I did. I was missing eight hours and I  
13 received them through advanced placement in  
14 Spanish which I learned in Mexico in 1982.
- 15 Q. Let's go slow. You went to Case-Western  
16 Reserve from what years, 1972 to 1975?
- 17 A. To 1975, correct.
- 18 Q. And you left there before you graduated?
- 19 A. Technically, yes.
- 20 Q. Right?
- 21 A. Yes.
- 22 Q. You needed eight credits?
- 23 A. Eight credits.
- 24 Q. You didn't get those until 1982?
- 25 A. I didn't apply for them until 1982. The

1 credits were actually received in 1976 through  
2 a six-month intensive Spanish course which I  
3 took in Mexico.

4 Q. What kind of course was that?

5 A. Spanish.

6 Q. Oh, it was a language course?

7 A. Right, right.

8 Q. Those are the eight credits you needed  
9 was the Language?

10 A. Correct, and I came back and actually  
11 took a test and sat down with a Spanish teacher  
12 for a half an hour and we spoke in Spanish and  
E3 he said, I'll give you eight hours.

14 Q. And when was that, 1982?

15 A. That was in 1982 actually, yes.

16 Q. What Spanish teacher was that?

17 A. I don't know, somebody at the Spanish  
18 department at Case.

19 Q. So you got a diploma then in 1982?

20 A. In 1982. However, they backdated it to  
21 1976 and I'm considered to be a graduate of  
22 1976. It's a little complicated because --

23 Q. We'll take all the time we need to  
24 understand it. That's all right.

25 A. Okay, and then I transferred from



1 Guadalajara to the Medical College of Ohio.

2 Q. Just hold on for a second because I want  
3 to know how it is that you got into the  
4 University of Autonomous without a graduate  
5 degree.

6 A. There is no undergraduate degree required  
7 in Mexico and the United States of America.  
8 You simply are required to take a core  
9 curriculum of two years of biology, one year of  
10 chemistry, one year of math, one year of  
11 physics and six months of English. That  
12 qualifies you for admission to an American  
13 medical school.

14 Q. But you didn't go to an American medical  
15 school.

16 A. I did. Let me finish the story. I then  
17 transferred from Guadalajara to the Medical  
18 College of Ohio in 1980.

19 Q. Wait. To which college? I'm sorry, sir.

20 A. Medical College of Ohio, Toledo.

21 Q. Why did you transfer?

22 A. Well, you know, when I went to Mexico, I  
23 thought it would be a little exciting to go to  
24 a different country and leave Cleveland, I had  
25 been here in high school and college and

1 decided that perhaps my training would be  
2 better served by an American medical school.

3 Q. Why, weren't you receiving good training?

4 MR. ZELLERS: Objection.

5 A. I was. In fact, I did some externships  
6 here at St. Luke's.

7 Q. Did some what?

8 a. Externship is when a medical student goes  
9 to a hospital and spends a couple months as a  
10 medical student.

11 Q. When did you do that?

12 A. When did I do that?

13 Q. Yes.

14 A. Oh, gosh, 1977, 1978, in those years.

15 Q. Like summer?

16 A. Oh, no no, no, this was during the school  
17 year.

18 Q. How long would these externships take?

19 A. Anywhere between three and six months. I  
20 don't want to bore you with all the details.

21 Q. Oh, no, you're not boring me.

22 A. The fact was that I wanted to get  
23 clinical training in an American medical school  
24 so Medical College of Ohio was a three year  
25 program, so I went into there.

1 I passed the national boards, did well  
2 enough to transfer my college credits and my  
3 AMCAT scores were good enough and they took me  
4 in in 1980 to their second year, which would be  
5 today's fourth year medical school, third year,  
6 which meant I spent two years of pure clinical  
7 training as a Medical College of Ohio student,  
8 no difference.

9 Q. Is that an osteopathic college?

10 A. Oh, no, Medical College of Ohio in Toledo.

If MR. KALUR: Off the record.

12 (Discussion had off the record.)

13 A. It's a fairly large medical school,  
14 established in 1964.

15 Q. So you went through two years there,  
16 right?

17 A. Two out of three, two years, The basic  
18 science part I had done, I had passed the  
19 boards and that was not required.

20 Q. So you were there until when, 1982?

21 A. 1982, correct.

22 Q. Then what did you do?

23 A. I'm sorry?

24 Q. Then what did you do?

25 A. I came here as a resident in orthopedics.

1 Q. Did you have to fill out an application?

2 A. Of course.

3 Q. And did you tell them everything you're

4 telling me on that application?

5 A. Of course.

6 Q. And you were approved for residency here

7 beginning when?

8 A. 1982.

9 Q. When in 1982?

10 A. It would be July 1st, 1982.

11 Q. When is it that you decided that you

12 wanted to go into orthopedics?

13 A. I can't really give you a specific date.

14 I believe when I did a one-week externship here

15 in the late 70's I enjoyed it and I decided to

16 go into that.

17 Q. Did you do anything at the Medical

18 College of Ohio of Toledo that led you to a

19 specialty in orthopedics?

20 A. I had made my decision before then.

21 Q. So you came here as an orthopedic

22 resident; *is* that correct?

23 A. Correct. My mother was a pediatric

24 resident here many years ago, I actually lived

25 across the street when I was very young.



1 Q. I see. So your mother is a pediatrician?

2 A. Yes.

3 Q. How about your father?

4 A. My father is a metallurgist, engineer,  
5 but he's retired many years.

6 Q. You're still doing your residency now?

7 A. Fifth year.

8 Q. Do you have a job lined up for when you  
9 finish this particular year?

10 A. I have a fellowship lined up, yes.

11 Q. Where?

12 A. Grand Rapids with Dr. Alfred Swanson,  
13 starting in January of 1988.

14 Q. In 1984, you were a second year resident;  
15 is that correct?

16 A. That would have been correct. It depends  
17 which month.

18 Q. November.

19 A. Third year resident.

20 Q. When does it switch?

21 A. July 1st.

22 Q. As a third year resident --

23 A. I'm sorry, it would be second year, it  
24 would be a second year resident, 1984, but I  
25 would be, it's a little complicated, I would be

1 a second year orthopedic resident but I would  
2 be a postgraduate three year resident, first  
3 year is spent in general surgery as required by  
4 the American Board of Orthopedics.

5 Q. So how were you a third year resident? I  
6 mean you came here in 1982, July of 1982.

7 A. July of 1982 to June of 1983.

8 Q. You did general surgery residency?

9 A. You're correct.

10 Q. First year orthopedic?

11 A. First year orthopedic, yes, second year  
12 post medical school, correct.

13 Q. And what do you do as a first year  
14 orthopedic resident? I mean is that the bottom  
15 of the totem pole so you do everything that  
16 everybody else wants you to do?

17 A. Not really. The program is set up where  
18 you have two chief residents and the other  
19 residents are basically on par with each other  
20 to a degree.

21 Q. Regardless of what year they are in?

22 A. If it comes to scheduling for types of  
23 surgeries to assist in, your year would count,  
24 but as far as taking call, that's not changed.

25 Q. What does taking call involve?

1 A. It involves handling any acute problems  
2 that develop on the floor and covering  
3 emergencies in the emergency room.

4 Q. And you can do that as a first year  
5 resident?

6 A. Certainly. I received one year of  
7 general surgery training, which was basically a  
8 well-rounded training on medical and surgical  
9 management of patients.

10 MR. ZELLERS: He would have  
11 been in his third year in November of 1984.

12 MR. KAMPINSKI: July of 1982  
13 to July of 1983 and July of 1983 to July of  
14 1984.

15 A. So I was right.

16 Q. So third year post medical and second  
17 year orthopedic?

18 A. Right.

19 Q. Who were the two chief residents in 1984?

20 A. It would have been, at that time, the  
21 chief resident would have been Michael Morrison.  
22 The other fifth year resident would have been  
23 Michael Gill.

24 Q. How about Miller?

25 A. Miller is in my year.

1 Q. How about Peters?

2 A. Peters is a general surgery resident and  
3 he notated through the orthopedic program for a  
4 period of I believe two months.

5 Q. When you say on call, that's what,  
6 midnight shift when nobody else was around or  
7 any time?

8 A. From 8:00 o'clock in the morning until  
9 8:00 o'clock the next day.

10 Q. Is a certain resident scheduled to be on  
11 call during specific shifts? Is that how it  
12 works?

13 A. It's a 24 hour shift, a certain resident  
14 covers a 24 hour shift.

15 Q. So when we see your name on November 16th  
16 for the on-call note, that reflects that you  
17 would have been there the entire day?

18 A. From that morning until the next morning.

19 Q. So that at 9:30 p.m., you would have been  
20 in the middle of your shift?

21 A. Yes.

22 Q. Would you have worked that day?

23 A. Yes.

24 Q. Were you the only orthopedic resident on  
25 call? I mean would there only be one on call



1 per night?

2 A. Only one in the house. There is always a  
3 second resident on call from either the call  
4 room or from home.

5 Q. Is the reason that you weren't called the  
6 evening of November 17th is you'd been working  
7 all day and you were sleeping at night?

8 MR. ZELLERS: Objection, if  
9 you know.

10 A. Why I wasn't called on the 17th?

11 Q. Yes.

12 A. There would be no reason for me to be  
13 called.

14 Q. Have you looked at the nurses notes?

15 A. I read through the nurses notes.

16 Q. Did you see that there was a note, a  
17 couple notes reflecting that Mr. Smith's left  
18 leg was internally rotated, did you read those  
19 notes, sir? I'm just asking if you read them.

20 A. Yes.

21 Q. Did you read the notes on the 17th, the  
22 day of the 17th?

23 MR. ZELLERS: The nursing  
24 notes.

25 A. Yes, I read all the notes.

1 Q. Should you have been called, sir, in your  
2 opinion?

3 MR. ZELLERS: Objection. At  
4 what time?

5 MR. KAMPINSKI: Midnight.

6 MR. ZELLERS: Do you want to  
7 read the note?

8 A. I'm not sure how I can answer that.

9 Q. Well, try.

10 A. Okay.

11 MR. ZELLERS: If you can.

12 A. Sure. If the nurse was concerned that  
13 there was a dislocation, then the procedure  
14 would be to call me.

15 Q. There was a dislocation, wasn't there,  
16 sir?

17 MR. ZELLERS: Objection.

18 A. There is nothing to indicate there was a  
19 dislocation.

20 Q. Well, they operated on the man the next  
21 day, didn't they?

22 A. There was no dislocation confirmed until  
23 the next morning when the X-ray was obtained.

24 Q. Well, it was confirmed then, but do you  
25 see anything that took place between the notes

1 of November 17th starting at 12:00 p.m. until  
2 the time it was confirmed the next morning?

3 A. Would you rephrase that? I'm not sure  
4 what you mean.

5 Q. Do you see anything that would have  
6 caused the dislocation between the midnight  
7 entry and the time when they in fact confirmed  
8 that the leg was dislocated?

9 MR. ZELLERS: Objection.

10 A. Nothing to cause dislocation, no.

11 Q. Sure, and there are notes in there  
12 indicating that the leg was internally rotated,  
13 are there not?

14 A. There are notes indicating the patient  
15 kept his leg in some internal rotation, correct.

16 Q. I don't see where it says the patient  
17 kept his leg in internal rotation. Can you  
18 point that out?

19 A. The notes state that patient was advised  
20 not to keep his leg in internal rotation.

21 Q. No, no, no, the note of the 17th starting  
22 at 12:00 o'clock, Dr. Cendo.

23 A. You said several notes and there are  
24 several.

25 Q. Let's start with the 17th starting at

1 midnight. That's the night you were duty,  
2 right?

3 A. Correct.

4 Q. And it says at the 12:00 o'clock entry,  
5 the very last part of that entry, left leg  
6 rotated internally?

7 A. Correct.

8 Q. It doesn't anything about the patient  
9 keeping his leg rotated internally, does it?

10 A. No.

11 Q. And then the 6:00 a.m. entry, left leg  
12 remains internally rotated, right?

13 A. Correct.

14 Q. Now, is there anything from midnight,  
15 I'll ask the question again, of November 17th  
16 until the time that it was diagnosed that you  
17 can see that would have caused the dislocation?

18 A. No.

19 Q. So would it be fair to assume that  
20 looking at these records, and obviously you  
21 didn't examine it then, but that the  
22 dislocation existed at midnight of the 17th?

23 MR. ZELLERS: Objection.

24 A. No, not at all.

25 Q. When did it occur?

I MR. ZELLERS: Objection.

2 A. That's something that I really can't  
3 answer. I was not there.

4 Q. Looking at these notes, in terms of  
5 probabilities, when would you say it occurred?  
6 We know it occurred.

7 MR. ZELLERS: If you can  
8 answer.

9 Q. Right?

16 A. I can't answer. It's a hypothetical  
11 question for me to give you an answer of *when*  
12 *it* occurred. I'm not sure anybody could know,

13 Q. But you do see the notes though that say  
14 patient, left leg rotated internally?

15 A. I see that, correct.

16 Q. That doesn't mean that it was dislocated,  
17 to you?

18 A. It does not mean dislocation.

19 Q. The next entry the following morning, the  
20 8:00 a.m. entry by Nurse Kennedy, what does  
21 that reflect to you in terms of a dislocation,  
22 anything?

23 A. At the bottom of the page here?

24 Q. Well, it starts there, yes, and it goes  
25 to *the* next page. Why don't you read it so we

1 know what we're talking about.

2 A. Starting at the beginning?

3 Q. Yes, sure.

4 A. Awake and alert, slightly diaphoretic, O2  
5 maintained at: four liters, something per, no  
6 nasal cannula, IV patent, left forearm site  
7 good, refusing per pump, left leg in five  
8 pounds Buck's traction and internally rotated,  
9 unable to reposition to proper alignment.

10 Q. Does that indicate anything to you with  
11 respect to a dislocation, sir?

12 A. It may make you suspicious that there are  
13 problems in the hip. It does not confirm  
14 anything.

15 Q. Well, you would need an X-ray to confirm  
16 it, wouldn't you?

17 A. Correct.

18 Q. But this is the same entry, except with  
19 the attempt to reposition it, that we see in  
20 the early morning of the 17th, is it not, that  
21 is the internal rotation of the left leg?

22 A. But it states unable to reposition, not  
23 attempt. There is nothing written before about  
24 attempt.

25 Q. When you see a left leg internally rotated,

1 is that what you're supposed to do, to try to  
2 reposition it to determine if there is a  
3 problem?

4 A. If you feel that there **is** some problem  
5 with the leg, yes.

6 a. so that would then tip you off, if you  
7 couldn't reposition it, to call a doctor, **is**  
8 that what you're saying?

9 A. It would make you suspicious, correct,

10 Q. And apparently the nurse on duty the  
11 night before made no such attempt to reposition  
12 it, correct?

13 MR. ZELLERS: Objection.

14 A. Not **that** I can read from her notes,

15 Q. You were called at some point at 9:30  
16 p.m. on the 16th; **is** that right, doctor?

17 A. Yes.

18 Q. Why were you called?

19 A. Patient had some emesis of coffee ground  
20 material.

21 Q. Emesis means what, doctor, vomited?

22 A. Vomited.

23 Q. Did you read the entire note and record  
24 regarding Mr. Smith when you went to see him or  
25 did you just go examine him? What did you do

1 when you got there if you remember?

2 A. I don't remember. I can tell you what  
3 I've always done when I've been on call, but I  
4 can't give you specifics.

5 Q. Go ahead.

6 A. The nurse would call, I would speak to  
7 her, look at the chart to get an idea of what  
8 the patient had done, what his general  
9 conditions were, what the physicians notes were  
10 and what the lab values are.

11 Q. When you say the lab values, what lab  
12 values would you check?

13 A. Depending on the problem, for suspected  
14 bleeding in the upper intestinal tract, I would  
15 look for the hemoglobin.

16 Q. How was the hemoglobin?

17 MR. ZELLERS: As of when?

18 MR. KAMPINSKI: As of 9:30  
19 when he went to see him.

20 A. I believe it was 11.4.

21 Q. How would it have been before that?

22 A. Before when? On his admission?

23 Q. Sure.

24 A. It was in the 15 range, I believe.

25 Q. So it decreased from 15 to, what did you



1 say, 11.4?

2 A. He had had major surgery between that  
3 time.

4 Q. What kind of blood loss did he have  
5 during surgery, did you look at that?

6 A. Actually, I don't know the numbers. I  
7 believe 200 rings a bell.

8 Q. 200 cc's?

9 MR. ZELLERS: If you know.

10 A. I can't be positive.

11 Q. Well, take a look.

12 A. Okay, as stated by Dr. Gill in his note,  
13 estimated blood loss was approximately 200 cc's.

14 Q. Which operation, second one?

15 A. No, I saw him after the first operation.

16 Q. So 200 cc's, would that account for a  
17 blood loss of or the hemoglobin decrease from  
18 15 to 11.4?

19 MR. ZELLERS: If you know.

20 A. 200 cc's is the blood that was lost to  
21 the floor.

22 Q. Yes.

23 A. Blood lost from the patient's intravenous  
24 blood volume would be much more.

25 Q. Where would you lose the blood?

A. You could easily lose two units in a thigh.

Q. How about internally, could that account for a decrease in the hemoglobin?

A. It can, yes.

Q. An intestinal bleed?

7 A. Certainly.

8 Q. Did you check it out?

9 A. Did I check out if he had an intestinal  
10 bleed?

11 Q. Yes, did you?

12 A. He had a Guaiac positive emesis.

13 Q. Which tells you what?

14 A. Indicates very strongly that there is  
15 some blood in the stomach.

16 Q. What did you do for it?

17 A. I treated him with a medicine meant to  
18 lower the acidity in the stomach.

19 Q. How is that going to assist: the bleed,  
20 sir?

22 A. I suspect that he had gastritis, an  
22 inflammation of the stomach.

23 Q. How about an ulcer, did you suspect that,  
24 a bleeding ulcer?

25 A. It's possible. However, this medicine

1 would also stabilize the bleeding ulcer.

2 Q. So did you order any tests to be done to  
3 determine where the bleed was from?

4 A. He was getting a hemoglobin the next  
5 morning, which would be my test of interest.  
6 The diagnosis of what his upper GI bleeding was  
7 would be done by his internist.

8 Q. Who was his internist?

9 A. Dr., I believe it was, Jackson.

10 Q. Wasn't he an orthopedic patient?

11 A. He was at that time under the orthopedic  
12 care. However, orthopedists do not investigate  
13 GI bleeds.

14 Q. They don't?

15 A. No.

16 Q. What do they do, get consults?

17 A. Yes, and he had already had a consult.

18 Q. He did, for a GI bleed?

19 A. For medical problems, of which GI bleed  
20 is included.

21 Q. How about do orthopedists deal with  
22 cardiac problems if a patient has cardiac  
23 problems or do they get a consult with that?

24 A. The orthopedist as primary physician, the  
25 responsibility to the patient is to get

1 whatever help is needed to resolve any problems  
2 the patient may have.

3 Q. Including cardiac problems?

4 A. Any problem.

5 Q. Was a cardiac consult called in, to your  
6 knowledge, on Mr. Smith?

7 A. An internist is qualified to handle  
8 cardiac problems.

9 Q. Is it your testimony then that you didn't  
10 do anything other than -- what medication did  
11 you prescribe, sir?

12 A. Tagamet and Maalox.

13 Q. -- other than prescribe Tagamet and  
14 Maalox, is that what I understand you to say?

15 MR. ZELLERS: Objection.

16 A. My focus at that time was to stabilize  
17 the patient's problem, not to investigate his  
18 problem. I have not, at that time or since  
19 then, investigated GI bleed nor would I.

20 Q. Did you check other lab values?

21 A. I went through his seven and noticed that  
22 he had a decreasing BUN, which could also  
23 account for the lowering of the hemoglobin,  
24 dilution.

25 Q. Did you ask about the CPK enzymes?

1 A. The patient had some type of stomach  
2 problem, no complaints of chest pain or  
3 gastritis.

4 Q. Was he diaphoretic?

5 A. When I saw him, he was not. He actually  
6 felt better after the emesis.

7 Q. But it was reported to you that he was,  
8 right?

9 A. There was some notes previously that he  
10 had had some episodes of that, apparently from  
11 previous medical problems that he might have  
12 had.

13 Q. So what you're saying is you didn't look  
14 at all the lab values, only those that  
15 concerned you with respect to the complaints of  
16 stomach pain and the vomiting?

17 A. I looked at all the values relative to  
18 the patient's problem at the time.

19 Q. Well, were his CPK enzymes relevant to  
20 his problems at the time?

21 A. No.

22 Q. So you didn't look at them?

23 A. I may have looked at them but they  
24 wouldn't have meant anything to me.

25 Q. Why is that?

1 A. The person had no chest pain. He was  
2 being followed by a medical doctor.

3 Q. How did you know he was being followed by  
4 a medical doctor on November 16th at 9:30 p.m.?

5 A. Because the first thing I would do for a  
6 medical problem is to either see if a medical  
7 doctor is following him or to obtain a medical  
8 doctor's consult.

9 Q. My question is how did you know there was  
10 a medical doctor following him, Dr. Cendo?

11 A. He had a consult in the chart,

12 Q. Where was that?

13 A. Usually it's kept in the front. of the  
14 chart and it clears the patient for surgery.  
15 He was cleared for his first surgery by Dr.  
16 Jackson on a consult which is written in the  
17 chart.

18 Q. So did you call then for Dr. Jackson to  
19 come in and look at him?

20 A. I believe I informed Dr. Jackson.

21 Q. When was that?

22 A. That would have been that evening.

23 Q. Is there anything in the chart that  
24 reflects that you did that?

25 A. No.

1 Q. How is it that you say that you did?

2 A. Any medical problem, I call the medical  
3 doctor.

4 Q. So it's your testimony that you called  
5 Dr. Jackson?

5 A. I believe so, at least informed Dr. Smith  
7 of this, of the situation.

8 Q. And that's not in the chart either?

9 A. Correct, because I wrote the note and  
10 then made the calls.

11 Q. Well, wouldn't you write who you called  
12 in the note?

13 A. Not until I got my page through and  
14 received a callback.

15 Q. And then you came back and you wrote it  
16 then?

17 A. No, I did not write it. I wrote the note  
18 first and then made the call.

19 Q. Aren't you supposed to go back and  
20 indicate that you did call a consult?

21 A. You're not supposed to, no.

22 Q. You're not?

23 A. No.

24 Q. Read your note for me if you would.

25 A. Certainly, page number 119, the date in

1 the note is 11-16, on the same line it says  
2 on-call note in capital letters, the time below  
3 the date is written as 9:30 p.m., note begins  
4 with patient had coffee ground emesis, positive  
5 Guaiac tonight, also complains of some  
6 abdominal distress, unable to void, no other  
7 complaints, there is a line and then patient  
8 may have gastritis or stress ulcer (stabilize  
9 intubation) plan, Tagamet IVPO, Maalox PV,  
10 Foley catheter, and my signature.

11 Q. How long would you say that you saw Mr.  
12 Smith?

13 A. For what period of time?

14 Q. Yes, how long were you up there?

15 A. I can't recall, however long it took to  
16 assess the problem.

17 Q. And you didn't go back to see him later  
18 that night?

19 A. I routinely make rounds around 11:30 or  
20 12:00 o'clock when I'm on call and talk to the  
21 nurses to see if there are any problems.

22 Q. Did you or didn't you see him that night,  
23 sir?

24 A. I can't recall.

25 Q. There are no other notes in the chart,



1 are there?

2 A. The patient apparently stabilized his  
3 gastritis and there would be --

4 Q. Stabilized, that's a word I've heard  
5 before today. What does that mean?

6 A. Stopped vomiting.

7 Q. Since he was an orthopedic patient and  
8 you would be the person on call, would you have  
9 been the one that should have been called if  
10 there was a problem, an orthopedic problem?

11 A. I would have been called first if there  
12 was any problem unless the patient had an  
13 arrest, in which case a code would be called  
14 and any nearby physician would respond.

15 Q. Did you have anything else to do with Mr.  
16 Smith during his hospitalization at St. Luke's?

17 A. Not that I can recall.

18 Q. Have you gone through the chart?

19 A. I've gone through the chart and I've seen  
20 no other evidence of it.

21 Q. Did you discuss Mr. Smith's situation  
22 with anybody after his death?

23 A. I was not aware that he died.

24 Q. Until when?

25 A. About a month ago.

1 Q. Was it typical during your residency,  
2 which you're still involved in, to assist  
3 surgeons, orthopedic surgeons in various types  
4 of surgery?

5 A. Yes.

6 Q. And these are staff physicians, I take it?

7 A. Correct.

8 Q. And you're supposed to receive training  
9 from them?

10 A. Correct.

11 Q. Would they rely on you in 1984 to obtain  
12 consent forms, signed consent forms?

13 MR. ZELLERS: Objection.

14 A. Yes, we obtain a consent form for a total  
15 hip. I'm not sure what we rely on.

16 Q. Would they have the residents do that, I  
17 mean go and explain the risks and procedures to  
18 the patient?

19 A. Oh, no, no.

20 Q. They just have you actually physically  
21 get the signature?

22 A. Physically get the signature, yes,

23 MR. ZELLERS: Just for  
24 clarification, is that what you mean when you  
25 say we? You said we yet a consent form.



1 THE WITNESS: The residents.

2 MR. ZELLERS: Yes.

3 THE WITNESS: A simple  
4 signature. The attending physician explains  
5 the procedure to the patient.

6 Q. So yours is just a ministerial function  
7 to get the signature, not to explain the risks?

8 A. Yes.

9 Q. Would the orthopedic surgeon rely on the  
10 residents in 1984 to check on past lab values  
11 of a patient and apprise him of those values?

12 MR. ZELLERS: Objection.

13 A. The attending physician has the  
14 responsibility of making sure that the patient  
15 is ready for surgery. His consult to a medical  
16 person would be the person that he would rely  
17 on. Certainly we have input into a situation  
18 but they make the decisions.

19 Q. What kind of input?

20 A. Well, if I see a value that I think is  
21 questionable or I have some question, I --

22 Q. Tell them?

23 A. -- I will ask him, yes.

24 Q. How about checking on the pre anesthesia  
25 note, would you do that or was that something

1 that residents did in 1984?

2 MR. ZELLERS: Objection.

3 A. You have to explain to me pre anesthesia  
4 note, I'm not sure what you mean.

5 Q. Sure.

6 MR. ZELLERS: I think it's  
7 152.

8 MR. KAMPINSKI: That's where  
9 I'm at.

10 Q. Would the residents in 1984 make it a  
11 habit of checking the pre anesthetic evaluation  
12 sheet?

13 MR. ZELLERS: Objection.

14 A. No, this sheet is in the possession of  
15 the anesthesiologist.

16 Q. If a resident did look at that, the  
17 purpose would be what, to insure the accuracy  
18 of the things contained on the sheet?

19 MR. ZELLERS: Objection.

20 A. I don't know.

21 Q. Did you ever work with Dr. Stephens or  
22 Dr. Smith?

23 A. Yes.

24 Q. You still do, I take it?

25 A. Yes.

1 Q. Do you ever do surgeries with them  
2 observing?

3 A. I assist them in surgery.

4 Q. That wasn't my question.

5 A. That's a broad question, have they  
6 observed me doing surgery?

7 Q. That's right.

8 A. Have they watched me doing a case with  
9 another attending?

10 Q. No, no, with them being the attending.

11 MR. ZELLERS: Objection.

12 Q. You understand my question?

13 A. I'm there as an assistant.

14 Q. Have you ever done a surgery where  
15 they've just watched?

16 MR. ZELLERS: On a private  
17 patient?

18 MR. KAMPINSKI: On any  
19 patient.

20 A. They're always there directing me. On a  
21 private patient, it's their patient.

22 Q. So?

23 A. Well, they are there, they are in their  
24 scrubs.

25 Q. I didn't ask you if they were there. I

1 asked who did the operation, you or them.

2 MR. ZELLERS: Just in  
3 general in any case?

4 MR. KAMPINSKI: That's right.

5 Q. Have you ever done it with them just  
6 watching and not doing anything other than  
7 directing and observing?

8 MR. ZELLERS: Objection.

9 A. In a private patient?

10 Q. Either private patient or a staff patient.

11 A. I've done surgery on their direction,  
12 right. I'm not sure what you mean by just  
13 watching. It sounds so casual. They are there  
14 directing whatever I'm doing.

15 Q. And I take it this would be true of other  
16 residents as well, that's part of your training,  
17 to get involved in actual surgeries?

18 MR. ZELLERS: Objection.

19 A. Of all residency programs, yes.

20 Q. You weren't involved in either of the  
21 surgeries on Mr. Smith, were you?

22 A. No.

23 Q. Who makes a decision as to where a  
24 patient goes from surgery, whether he goes to  
25 the recovery room or ICU, to your knowledge, is

1 that the attending, the anesthesiologist, both?

2 A. It's always been both, but I believe the  
3 anesthesiologist is in control in the operating  
4 room and in the recovery room of the patient,  
5 he has the final word there.

6 Q. That's how you've been trained, that your  
7 patient --

8 A, That's my impression. I've never really  
9 had a conflict. We work in cooperation. If  
10 one of the physicians feels that the patient  
11 needs something, then there is really not much  
12 disagreement usually.

13 Q. Did you have to take a test in Ohio for  
14 Licensure as far as practicing medicine?

15 A. Sure.

16 Q. When did you take it?

17 A. I took the national board exam, parts one  
18 two and three.

19 Q. So you're not board certified?

20 MR. ZELLERS: Objection.

21 A. Of course.

22 Q. When were you board certified?

23 MR. ZELLERS: I think  
24 you're --

25 THE WITNESS: Does he mean

1 orthopedics?

2 MR. ZELLERS: You explain to  
3 him what you mean by being board certified.

4 A. I passed parts one, two, and three of the  
5 American boards which makes me certified to  
6 practice medicine by the national boards. I  
7 received a license in the State of Ohio,  
8 permanent license in the State of Ohio in 1983,  
9 I believe in August, after the required one  
L0 year of residency that the State of Ohio  
11 requires.

12 Q. Was it necessary for you to get your  
13 undergraduate degree before getting your  
14 medical degree?

15 A. No.

16 Q. After a procedure such as a hip  
17 replacement, what's the purpose of Buck's  
18 traction?

19 A. It helps to take some of the spasm out of  
20 the leg, it makes the patient more comfortable,  
21 it also helps to insure that the legs are in a  
22 position of abduction, which means spread apart.

23 Q. How long is Buck's traction left on a  
24 patient following surgery?

25 A. Typically 24 to 48 hours, but again it's



1 under the discretion of the attending surgeon.

2 Q. Can it cause problems if it's left on too

3 long?

4 A. I have not seen any,

5 Q. How long was it left on Mr. Smith?

6 MR. ZELLERS: If you know,

7 A. I don't know.

8 Q. Why don't you take a look,

9 A, This would be the 17th and the surgery

10 was --

11 Q. It was on when you saw him, right?

12 A. Yes, yes. I believe three days.

13 Q. Until he went into surgery again on the

14 17th?

15 A. The 14th through the 17th, correct.

16 Q. Do you know why it was on on the 17th?

17 A. As I said, it helps the patient with

18 muscle spasms, makes them more comfortable and

19 helps secure the leg in the spread-out position

20 Q. Would it have any effect on a leg that

23 was rotated internally?

22 MR. ZELLERS: Objection.

23 A. I don't think so.

24 Q. Is methyl methacrylate -- is that it?

25 A. Yes, methyl methacrylate.

1 Q. -- still used?

2 A. Certainly it is.

3 Q. To your knowledge, does it have any  
4 problems associated with heart attacks, MI's?

5 MR. ZELLERS: Objection.

6 A. There is some studies in literature  
7 suggesting that in the first several hours  
8 there may be some difficulties.

9 Q. Do you know what those difficulties are?

10 A. Not specifically. They are still  
11 controversial, nothing has been defined.

12 Q. Well, I mean are you taught here in the  
13 course of your residency to be aware of the  
14 potential of those problems when that substance  
15 is used in a hip replacement?

16 A. The anesthesiologist is aware of it.

17 Q. Well, how about the orthopedic surgeon, I  
18 mean don't you have any relationship with  
19 respect to your patient or responsibility to  
20 insuring that he doesn't encounter problems,  
21 sir?

22 MR. ZELLERS: Objection.

23 A. I've not seen a problem in my training.

24 Q. Did Mr. Smith receive methyl methacrylate?

25 A. Yes.

1 Q. He wasn't supposed to though, was he?

2 MR. ZELLERS: Objection.

3 MR. GROEDEL: Objection.

4 A. I have no idea.

5 Q. And do you know if he had any prior  
6 cardiac problems before he was admitted for  
7 this surgery?

8 MR. ZELLERS: If you know.

9 A. I don't know.

10 Q. Would that be something that would be  
11 taken into account before using that  
12 substance --

13 MR. ZELLERS: Objection.

14 Q. -- Dr. Cendo?

15 MR. ZELLERS: I object. If  
16 you have an opinion, you can give it.

17 A. I honestly have no, have not ever seen a  
18 problem with that.

19 Q. So if somebody has hypertension, you just  
20 go ahead and use the substance anyhow, right,  
21 regardless of the potential effects it can have  
22 on his heart, is that your testimony, Dr. Cendo?

23 MR. ZELLERS: Objection.

24 You're asking him a hypothetical question. He  
25 had no contact with this patient. He doesn't

4 know this patient's background.

2 MR. KAMPINSKI: Have I asked  
3 him about Mr. Smith?

4 MR. ZELLERS: No, but you're  
5 asking him a very general hypothetical question.

6 MR. KAMPINSKI: Let him  
7 answer. He testified that he is very adept at  
8 handling acute problems and, you know, I get  
9 the feeling from Dr. Cendo that he's certainly  
10 aware of problems that patients can have and  
11 I'm asking him about a potential problem.

12 A. Methyl methacrylate is being used for  
13 about 25 years and there has really been no  
14 studies in the literature showing that it's had  
15 any problems.

16 Q. You just told me that there have been  
17 studies.

18 A. There were studies in dogs suggesting  
19 that the first couple hours after surgery there  
20 might be some problems but again, they were  
21 controversial.

22 Q. So you don't worry about it?

23 MR. ZELLERS: Objection.

24 A. That's all the studies that I know of.  
25 That's nothing that's really been translated

1 into human studies.

2 Q. How about studies reflecting the  
3 incidence of death following myocardial  
4 infarction followed by a second surgery, how  
5 about any of those studies, are you aware of  
6 them?

7 MR. ZELLER: That you're  
8 aware of as an orthopedic resident.

9 A. No.

10 Q. You're not aware of any of those?

11 A. No.

12 MR. KAMPINSKI: That's all I  
13 have.

14 MR. GROEDEL: I have no  
15 questions.

16 MR. ALBERT: No questions.

17 MR. KAMPINSKI: You have a  
18 right to read your testimony. You have a right  
19 to waive your signature. Your attorney can  
20 advise you.

21 MR. ZELLER: I advise you  
22 not to waive your signature.

23

24

25

## CERTIFICATE

The State of Ohio, )

SS:

County of Cuyahoga. )

I, Tia G. Moseley, a Notary Public within  
and for the State of Ohio, duly commissioned  
and qualified, do hereby certify that the  
within named witness, ROBERT DAMIAN CENDO, was  
by me first duly sworn to testify the truth,  
the whole truth and nothing but the truth in  
the cause aforesaid; that the testimony then  
given by the above-referenced witness was by me  
reduced to stenotypy in the presence of said  
witness; afterwards transcribed, and that the  
foregoing is a true and correct transcription  
of the testimony so given by the  
above-referenced witness.

I do further certify that this deposition  
was taken at the time and place in the  
foregoing caption specified and was completed  
without adjournment.



1 I do further certify that I am not a  
2 relative, counsel or attorney for either party,  
3 or otherwise interested in the event of this  
4 action.

5 IN WITNESS WHEREOF, I have hereunto set  
6 my hand and affixed my seal of office at  
7 Cleveland, Ohio, on this 24<sup>th</sup> day of  
8 March, 1986.

9

10

11

12

13

14

15

16

17 My commission expires March 14, 1991.

18

19

20

21

22

23

24

25