

IN THE CIRCUIT COURT OF
CABELL COUNTY, WEST VIRGINIA

* * * * *

ADAM WESLEY MEADE, an infant, who
by and through next friend and mother,
PATRICIA MEADE, and PATRICIA MEADE
and DAVID MEADE, individually,

Plaintiffs,

VS .

CIVIL ACTION NUMBER
90-C-1067

CABELL HUNTINGTON HOSPITAL, INC.,
a West Virginia corporation,
D. RATCLIFF JR., M.D., individually,
JOSEPH WERTHAMMER, M.D., individually,
FRANK URREGO, M.D., individually,
LIBERTY TABLANTE, M.D., individually,
MAGDI Z. FAHMY, M.D., individually,
LEO PAJARILLO, M.D., individually,
SONG KIM, M.D., individually, and
SUTIN SRISUMRID, M.D., individually,

Defendants.

* * * * *

The testimony of ELIAS GEORGE CHALHUB, M.D., taken at
the Offices of Barlow & Associates, 3217 Executive Park
Circle, Mobile, Alabama, on the 2nd day of February,
1993, commencing at approximately 4:30 o'clock, p.m.

A P P E A R A N C E S

FOR THE PLAINTIFFS:

WILLIAM deFOREST THOMPSON, P.A.
ATTORNEYS AT LAW
P. O. BOX 14334
FORT LAUDERDALE, FLORIDA 33302

BY: WILLIAM deFOREST THOMPSON, ESQ.

FOR THE DEFENDANT -
CABELL HUNTINGTON
HOSPITAL, INC.:

HUDDLESTON, BOLEN, BEATTY, PORTER
& COPEN
ATTORNEYS AT LAW
P. O. BOX 2185
HUNTINGTON, WEST VIRGINIA 25722

BY: TIMOTHY P. ROSINSKY, ESQ.

FOR THE DEFENDANTS -
D. RATCLIFF, JR., M.D.
and JOSEPH WERTHAMMER,
M.D. :

R. GREGORY McNEER, ESQ.
ATTORNEY AT LAW
P. O. BOX 1835
HUNTINGTON, W. VA. 25719-1835

FOR THE DEFENDANT -
FRANK URREGO, M.D.:

MUNDY & ADKINS
ATTORNEYS AT LAW
P. O. BOX 2986
HUNTINGTON, WEST VIRGINIA 25728

BY: DOUG ADKINS, ESQ.

FOR THE DEFENDANT -
LIBERTY TABLANTE, M.D.:

SHUMAN, ANNAND & POE
ATTORNEYS AT LAW
P. O. BOX 3953
CHARLESTON, WEST VIRGINIA 25339

BY: EDGAR A. POE, JR., ESQ.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

A P P E A R A N C E S C O N T ' D

FOR THE DEFENDANT -
MAGDI Z. FAWMY, M.D.:

JENKINS, FENSTERMAKER, KRIEGER,
KAYES & FARRELL
ATTORNEYS AT LAW
P. O. BOX 2688
HUNTINGTON, WEST VIRGINIA 25726

BY: D. C. OFFUTT, JR., ESQ.

FOR THE DEFENDANT -
LEO PAJARILLO, M.D.:

CLEEK, PULLIN & BIBB
ATTORNEYS AT LAW
1000 CHARLESTON
NATIONAL PLAZA
CHARLESTON, WEST VIRGINIA 25301

BY: DAVID P. CLEEK, ESQ.

FOR THE DEFENDANT -
SONG KIM, M.D.:

FLAHERTY, SENSABAUGH & BONASSO
ATTORNEYS AT LAW
P. O. BOX 3843
CHARLESTON, WEST VIRGINIA 25338

BY: LISA L. LILLY, ESQ.

LISA ELMORE PETERS
COURT REPORTER

1

2

3

4

5

* * * * *

6

7

8

9

10

11

12

13

14

15

16

* * * * *

17

18

19

20

21

22

23

1

2

(Plaintiff's Exhibits 1 and 2 were

3

received and marked for identification.)

4

5

ELIAS GEORGE CHALHUB, M.D.

6

The witness, after having first been duly sworn to

7

tell the truth, the whole truth, and nothing but the

8

truth, was examined and testified as follows:

9

EXAMINATION

10

BY MR. THOMPSON:

11

Q Your name for the record.

12

A Elias George Chalhub.

13

Q And your professional address?

14

A 1720 Springhill Avenue, Mobile, Alabama.

15

Q And how long have you been there?

16

A Three years.

17

Q Residence address?

18

A Pinebrook Avenue, Mobile, Alabama,

19

Q No current plans on leaving the Mobile area?

20

A I don't believe so.

21

Q Okay. My understanding, Dr. Chalhub, you've been

22

asked by Mr. Cleek, who represents Dr. Pajarillo, to review

23

certain records in a lawsuit filed in Cabell County, West

1 Virginia involving care and treatment rendered to and for
2 Adam Meade by various physicians; is that correct?

3 A Right.

4 Q And when did that occur? 3

5 A Approximately a year ago.

6 Q Are we talking about roughly the early part of
7 1992?

8 A It may be somewhat later. It may be in the
9 spring. Sometime in the spring.

10 Q Any correspondence by and between you and Mr.
11 Cleek, Mr. Cleek's office concerning retaining you, asking
12 you to review records, sending records back and forth or
13 bills or whatever?

14 A Obviously when the records came, there were cover
15 letters saying here are the records and, you know, any
16 subsequent depositions, but I've not had any correspondence
17 from my office to him.

18 Q You haven't written any reports --

19 A No.

20 Q -- at all?

21 A No.

22 Q Have you made any notes contemporaneous with your
23 review of depositions or records?

1 A No.

2 Q So that with regard to the materials that you
3 have here, and I understand from before your deposition you
4 left some of the stuff at home or in your office?

5 A No, just the depositions.

6 Q Depositions?

7 A They were so voluminous to carry.

8 Q No notes on those depositions at all, no
9 highlighting or --

10 A I'll be -- no, and I'll be glad, if you want
11 them, to pack them up and send them to you, but there aren't
12 any.

13 Q Okay. And I would take it then that for the last
14 eight or nine months off and on you've been reviewing these
15 materials?

16 A And for the last several days, yes.

17 Q Okay. Do you know how it was that Mr. Cleek got
18 your name?

19 A No, I don't.

20 Q Have you reviewed other things for him?

21 A No.

22 Q Can you tell me what it was he asked you to do
23 either verbally or in writing?

1 A He asked me to review these records and to give
2 him an opinion as to what I thought caused neurological
3 damage in this child.

4 Q Did you know **who** he represented?

5 A Yes.

6 Q It was Dr. Pajarillo?

7 A Correct.

8 Q You knew he was a pediatrician?

9 A Yes.

10 Q Were you not asked to review this case from the
11 standpoint of deviation from acceptable standards of care?

12 A No, I don't practice general pediatrics.

13 Q Well, are you practicing any type of speciality
14 at all now?

15 A Child neurology.

16 Q Child neurology still?

17 A (Witness nods head affirmatively.)

18 Q And how much of your time is spent practicing
19 child neurology?

20 A Oh, about five percent.

21 Q And what is ninety-five percent of your time
22 spent doing?

23 A Well, I'm president of the Mobile Infirmary

1 Medical Center.

2 Q Well, what do you do as president of the Mobile
3 Infirmary Center?

4 A I manage and adminstrate the medical center.

5 Q You don't treat patients?

6 A **No**, not as the -- no, not as the president.

7 Q You've had a pediatric residency, you've had
8 pediatric fellowships, have you not?

9 A Correct.

10 Q You don't feel that in **1992** when you got these
11 records you were not competent to review this case from the
12 standpoint of deviations from acceptable standards of care
13 or whether or not a pediatrician acted within or comported
14 with acceptable standards of care?

15 A I really wasn't asked to and I don't practice
16 general pediatrics, so, you know, I think that that
17 probably, you know, for what I was asked to do, is out of
18 the scope.

19 Q Okay. If we can put aside for a moment,
20 realizing, **of** course, that was my question before, but my
21 **most** recent question is: Were you and are you competent to
22 review medical records, to review depositions and make
23 determinations as to whether or not pediatricians act within

1 or outside acceptable standards of care?

2 A Well, I think it depends on certain
3 circumstances. I mean, if it's a type of entity or practice
4 that I would do as a primary care doctor, as a neurologist,
5 then -- and I felt comfortable with doing it, then I would
6 do it, but if not, I would not elect to do it. In this case
7 I was not asked to.

8 Q Have you ever testified that pediatricians or a
9 pediatrician fell below or met with acceptable standards of
10 care?

11 A Sure.

12 Q How many times?

13 A I don't know. It depends -- you know, it usually
14 has to do with seizures or some neurological problem.

15 Q Well, if you were asked to do it in Adam Meade's
16 case, assuming for the purposes of the moment Mr. Cleek or
17 one of these other gentlemen, ladies, asked you to review
18 this case from the standpoint of whether or not Dr.
19 Pajarillo or someone else met with acceptable standards of
20 care, you certainly were ready, willing and able to
21 undertake that, weren't you?

22 A No. I mean, not until I would look at the case
23 and see whether I thought it was appropriate.

1 Q You looked at the case?

2 A Yes.

3 Q Read all the records?

4 A Correct.

5 Q My question is: Are you, were you in a position
6 to comment on whether or not Dr. Pajarillo or anyone else
7 met with or fell below acceptable standards of care?

8 A No.

9 Q Why not?

10 A Because, you know, I don't intubate children any
11 more. You know, I don't do ER work as a primary care
12 doctor. So, I, you know, I don't think it's appropriate in
13 this circumstance. I mean, I'm happy to comment as a
14 pediatric neurologist to whatever you ask, but in terms of
15 commenting on the standard of care, I did not review it from
16 that aspect in each and every step of the way, so I'm really
17 not prepared to do that.

18 Q I will accept for the purposes of any more
19 questions that I may ask that you weren't asked to do it,
20 you weren't assigned that task, and you did not do it.

21 A Correct.

22 Q My question, however, is: Weren't you competent
23 in 1992 and aren't you competent now to review a record such

1 as Adam Meade and comment upon whether or not the care and
2 treatment met with or fell below acceptable standards of
3 care?

4 A Some aspects. Some aspects not.

5 Q Okay. Obviously you don't intubate children any
6 more and haven't for how long?

7 A Gosh, since 1976, you know, unless it was -- I
8 was the only individual there.

9 Q When is the last time you intubated a child,
10 either a neonate or be it a year old or a forty day old
11 child?

12 A You know, I don't honestly know. Perhaps
13 sometime in the eighties.

14 Q Late eighties, early eighties?

15 A I don't know.

16 Q Obvious you don't practice and haven't practiced
17 in an emergency room setting for how long?

18 A Well, as a primary care physician, that's
19 correct.

20 Q The last time you would have been to an emergency
21 room to see a patient, to assist a patient, to check on a
22 patient's ventilatory capabilities would have been when, Dr.
23 Chalhub?

1 A Well, they wouldn't call me to check on
2 ventilatory capabilities. Now, if it was a child in the
3 emergency room with a neurological problem, then I would go
4 and see the patient as a consult, but not as a primary care
5 doctor.

6 Q Okay. When is the last time you went to an
7 emergency room for that purpose?

8 A About a year and a half.

9 Q Anything else with regard to the context of Adam
10 Meade in addition to the fact that you don't intubate and
11 don't practice in emergency rooms any more that you feel
12 would hinder your review of this case from the standard of
13 care aspect?

14 A No. I mean, I just didn't look at it from that
15 aspect. I mean, you know . . .

16 Q Okay. You gave us a list which we've marked as
17 Plaintiff's Exhibit number 2. This is in your handwriting?

18 A Yes.

19 Q I presume you made this, when; today?

20 A **No**, last night.

21 Q Last night at home?

22 A Correct.

23 Q And this is a list of the depositions that you

1 have reviewed?

2 A Correct.

3 Q And you have reviewed those things off and on for
4 the last six, seven, eight months?

5 A Yes, whenever I received them.

6 Q Okay. I presume you made this list from the
7 actual depositions that you had in front of you at that
8 time?

9 A Yes, I piled them up and wrote them down.

10 Q And **you** previously told me there are no notes on
11 any of these depositions; that is, if I had these
12 depositions right here on this table and went through each
13 and every page, there would be no notes of yours?

14 A Correct.

15 Q And there would be no notes or highlighting by
16 anybody else including Mr. Cleek or some member of his
17 office?

18 A I don't believe so. I can't absolutely tell **you**
19 what **Mr.** Cleek put in them, but **I** don't believe so.

20 Q And you have made no summaries with regard to any
21 of these depositions?

22 A Correct.

23 Q And on -- there's a line then, a vertical line

1 that you've drawn on the page and on the other side it says
2 x-rays and you've got chest x-ray, right?

3 A Right.

4 Q What chest x-ray are you talking about?

5 A That were taken in the emergency room at
6 Appalachian (Phonetic) Hospital.

7 Q And why did you review that?

8 A It was sent to me.

9 Q Okay. And can you tell me how many chest x-rays
10 or whether **or** not it was just a single chest x-ray you saw?

11 You've got a single one here.

12 A Two.

13 Q Two?

14 A Uh-huh.

15 Q And those are the ones reported **on** in the record
16 by Dr. Sutin?

17 A That's correct.

18 Q And have you seen any other x-rays?

19 A The CT scans of July 18th, August 1st, November
20 **of** '86 and then March of '88, I believe.

21 Q You see there's no **CT** scans written on there.

22 A Oh, I'm sorry.

23 Q Do you want to add to it?

1 A Sure.

2 Q Put anything else on there that you saw in
3 addition to the CT scans.

4 A No, that's all. I thought that was on there. I
5 apologize.

6 Q Where are the CT scans?

7 A They're -- I had returned them to Mr. Cleek.

8 Q And when did you do that?

9 A This morning.

10 Q When is the first time you looked at them?

11 A I don't know. Several weeks ago.

12 Q And Mr. Cleek has them now?

13 A No, they were returned to his office.

14 Q You mailed them back?

15 A Correct.

16 Q When?

17 A This morning.

18 Q And you don't have it written down here, but you
19 have a recollection of what CT scans you reviewed?

20 A Sure.

21 Q Tell me.

22 A They're in the chart.

23 Q Okay. When were those CT scans taken?

1 A July 18th, 1986; August 1st, 1986; November, and
2 I can't remember the date, 1986, and then March of 1988.

3 Q Okay. Any other CT scans other than the one of
4 July 18th, 1986; August 1st, 1986; November some day of 1986
5 and March some day of 1988?

6 A No.

7 Q You didn't see any in February of 1991?

8 A No, I did not have that.

9 Q Did you ask for it?

10 A No.

11 Q You think you ought to look at it?

12 A I'm not sure it would be a great deal different.

13 Q Okay. You haven't asked for it, you don't think
14 it's necessary for you to have reached any opinions that
15 you've reached?

16 A No.

17 Q What did your review of the chest x-rays tell
18 you?

19 A Well, you know, I don't review chest x-rays as a
20 primary care doctor, but the --

21 Q Well, if you're unable to interpret them, just
22 tell me.

23 A Yeah. I mean, I'll be happy to agree with the

1 reports. I don't interpret those as an expert.

2 Q Okay. You've looked at the chest x-ray though?

3 A Correct.

4 Q Even though you aren't expert in reading them?

5 A That's correct.

6 Q And when you looked at the chest x-rays, even
7 though you're not expert in reviewing them, did you see an
8 endotracheal tube?

9 A Yes.

10 Q And can you tell me, the best of your
11 recollection, in time when that first chest x-ray was taken,
12 Doctor?

13 A Well, the x-ray, as I recall, states that it was
14 developed at 9:47. I assume it was taken in the minutes
15 preceding that.

16 Q Okay. When you say the film itself says, was the
17 word "developed" 9:47 on it or was there a clock with the
18 time on it?

19 A A clock with the time on it.

20 Q And you presume that that clock and the time,
21 which was 9:47, was the time of development?

22 A That's what I'm assuming, yes.

23 Q That's the way it's done and been done in your

1 experience?

2 A Well, that's not the way I'm accustomed to, You
3 know, it could have been taken at that time or it could have
4 been developed at that time. I don't know which.

5 Q You don't know?

6 A (Witness shakes head negatively.)

7 Q Okay. And what was your interpretation, albeit
8 the fact that you're not able to read those? I know you
9 agree with the report.

10 A Yeah, and I'll get the report out, but basically
11 the endotracheal tube was in the esophagus.

12 Q Probably why the chest x-ray was taken to
13 determine where the tube was, right?

14 A I would assume.

15 Q And the esophagus would not be the place where
16 you would want an endotracheal tube in anyone, including a
17 thirty-nine, forty day old child, is it?

18 A That's correct.

19 Q And from your review of the records, that chest
20 x-ray or -- excuse me. That intubation was accomplished at
21 what time, from your review of the depositions and all the
22 -- you've been handed something. I don't know what you --

23 A No, this (Indicating) was the x-ray report.

1 Q Okay.

2 A You know, it's rather difficult for me to tell
3 you. I don't think I know the exact time. It says that the
4 baby was intubated at 9:15 on the emergency room record.
5 So, sometime between 9:15 or thereabouts.

6 Q Well, for the purposes of formulating any
7 opinions that you've entered in this case, Dr. Chalhub, was
8 it important for you to know when this child was intubated?

9 A Yes.

10 Q All right. And what did you glean from the
11 records, what did you accept for the purposes of rendering
12 your opinions as to the time of intubation of Adam Meade on
13 July 6th, 1986 while he was in the emergency room at
14 Appalachian Regional Hospital being treated by Dr. Pajarillo
15 and others?

16 A 9:15.

17 Q Okay. And either the film was developed at 9:47,
18 correct?

19 A Correct.

20 Q Or taken at 9:47?

21 A Correct.

22 Q Okay. Well, regardless of whether the film was
23 taken or developed at 9:47, that was too late, wasn't it?

1 MR. CLEEK: Objection. This is --

2 BY MR. THOMPSON:

3 Q It should have been taken before then, shouldn't
4 it?

5 MR. CLEEK: This is standard of care issues.

6 A You know, I think that's up to the individual
7 physician in the course of a resuscitation, et cetera. You
8 know, I -- again, that's certainly a decision to be made at
9 that time. I'm not saying it shouldn't be taken earlier or
10 later.

11 BY MR. THOMPSON:

12 Q You just don't know?

13 A I don't have an opinion.

14 Q Not a clinician?

15 A Well, no, I'm a clinician.

16 Q You are a clinician?

17 A Correct.

18 Q But **if** someone was to intubate a forty,
19 thirty-nine day old baby at 9:15, shouldn't, in order to
20 comport with minimal acceptable standards, that x-ray be
21 taken within a minute or two, Doctor, or not?

22 MR. CLEEK: Objection. Don't answer that.

23 MR. THOMPSON: Certify it.

1 BY MR. THOMPSON:

2 Q Was that x-ray read by anybody?

3 A Yes.

4 Q Tell me, based upon your review of all the
5 depositions, Dr. Chalhub, who it was at Appalachian Regional
6 Hospital on the 6th of July, 1986, that reviewed that chest
7 x-ray which showed an endotracheal tube in the esophagus of
8 Adam Meade at or about 9:15 to 9:47.

9 A Well, I don't know who did that. I can tell you
10 who signed the report.

11 Q Well, that was Dr. Sutin, wasn't it, the
12 radiologist?

13 A Yes.

14 Q And when did he review this x-ray, sir?

15 A That doesn't say the time.

16 Q Well, you reviewed his deposition, didn't you?

17 A Yes, but, you know, I can't remember the exact
18 time.

19 Q You can't remember that.

20 Do you know if he was in the hospital then?

21 A I don't believe so.

22 Q Do you know which of the doctors read that x-ray

23 --

1 A No.

2 Q -- after it was taken?

3 A No.

4 Q Do you know whether or not any of those doctors
5 should have read it?

6 MR. CLEEK: Objection. This is standard of
7 care stuff. Don't answer standard of care. Just a
8 continuing issue here so I don't have to go over it and
9 over it and interrupt your flow, we identified this
10 Doctor for a certain purpose. The Rules provide that
11 you can examine for that purpose. Standard of care
12 with respect to intubation was not one of those
13 purposes.

14 BY MR. THOMPSON:

15 Q Should it have been read by one of those doctors
16 immediately --

17 MR. CLEEK: Don't answer.

18 BY MR. THOMPSON:

19 Q -- on development?

20 MR. POE: Note my objection,

21 MR. CLEEK: Don't answer it.

22 BY MR. THOMPSON:

23 Q From your review of all the records, which of

1 these doctors in that emergency room, Dr. Chalhub, read that
2 x-ray?

3 A I honestly can't tell.

4 Q Don't know?

5 A **No.**

6 Q You read all their depositions?

7 A Yes.

8 Q Did you get any --

9 MR. POE: Object to the form.

10 BY MR. THOMPSON:

11 Q Did you get any interrogatories with regard to
12 who read the x-ray, when it was read and what was done in
13 that regard --

14 A **No.**

15 Q -- by Dr. Pajarillo, Dr. Fahmy, Dr. Urrego, Dr.
16 Kim and Dr. Tablante?

17 A No.

18 MR. POE: Objection as to form.

19 BY MR. THOMPSON:

20 Q Who ordered the x-ray to be taken?

21 A I believe Dr. Pajarillo.

22 Q What time did he order it taken?

23 A I don't know. **All** I can tell you is the time

1 that it was developed. **So**, I don't know that.

2 Q When was the first time that anyone in the
3 emergency room on July 6th, 1986 recognized the misplaced
4 endotracheal tube in Adam Meade's esophagus?

5 MR. CLEEK: Don't answer it. Standard of
6 care.

7 MR. POE: Objection as to form.

8 MR. CLEEK: Don't answer it.

9 **Go** to your next question.

10 BY MR. THOMPSON:

11 Q When was the first time anybody addressed the
12 misplacement of the encephalopathy tube in the morning of
13 July 6th, **1986** and did something about it, Dr. Chalhub?

14 MR. POE: Objection as to form.

15 MR. CLEEK: I don't think you need to answer
16 that either. Same thing.

17 BY MR. THOMPSON:

18 Q Well, let me ask you this, Dr. Chalhub: Was it
19 important to you in your review of all these voluminous
20 records and given your assignment of what caused the
21 neurologic deficit, if any, in this child to know how long
22 that endotracheal tube remained in the wrong place; that is,
23 in his esophagus, on the morning of July 6th, **1986?**

1 A Well, there's several ways you can approach that,
2 Mr. Thompson, You can approach it by the laboratory data to
3 support that and you can also approach it by the records and
4 the testimony. I cannot tell you from the records, you
5 know, when exactly it was recognized. Some time after 10:05
6 and between 10:20. And obviously when the x-ray was taken
7 at 9:47, it was in the esophagus, but based on the course of
8 this child and the blood gases and the subsequent
9 radiographs, it could not have been there an extended period
10 of time.

11 Q The endotracheal tube could not have been there
12 an extended period of time?

13 A Correct.

14 Q Could not have been where?

15 A In the esophagus.

16 Q Okay. And what do you think an extended period
17 of time is?

18 A Oh, you know, an hour. Since 9:15.

19 Q Okay. Was the endotracheal tube in Adam Meade's
20 esophagus from 9:15 until sometime between 10:05 and 10:20
21 that morning, sir?

22 A I don't believe so.

23 Q Where was it?

1 A Oh, I believe it was in his lungs. In the
2 trachea.

3 Q Okay. First of all, you assume for a moment that
4 the tube was placed there by someone at 9:15? You told us
5 that, right?

6 A That's what the record says,

7 Q Do you know who did that?

8 A No, I told you I cannot determine that.

9 Q And where was it between 9:15 and 9:47, assuming
10 for a moment that that film was either taken or developed at
11 that time?

12 A Well, I think for the majority of that time it
13 was in the trachea, otherwise this child would have died and
14 would have had a different set of gases.

15 Q How did it get from the esophagus to the trachea
16 between 9:15 and 9:47, Dr. Chalhub?

17 A No, it came from the trachea to the esophagus.

18 Q Okay. Oh, you -- at 9:15 you are postulating
19 that the endotracheal tube was placed into the trachea?

20 A Correct.

21 Q And what makes you believe that?

22 A Well, the blood gases, the PCO2, the condition of
23 the child, the subsequent radiographs and the clinical

1 course of this child.

2 Q There weren't any blood gass taken for fifty
3 minutes after the 9:15 placement.

4 A Oh, I understand that.

5 Q Okay. At 9:15, whoever it was, placed this tube
6 into the trachea of this child, correct? That's your
7 opinion?

8 A Intubated the child.

9 Q Intubated the child into the trachea, correct?

10 A Correct.

11 Q And that was the correct place?

12 A Yes.

13 Q The x-ray reports say a film was taken at 9:20
14 which showed that the endotracheal tube was in the
15 esophagus, correct?

16 MR. CLEEK: I'm sorry, sir. Your time must
17 be incorrect. Did you say 9:20? .

18 MR. THOMPSON: That's what I said.

19 BY MR. THOMPSON:

20 Q Is that correct or not?

21 A S don't believe that's correct.

22 Q What is correct then? Why don't you tell me
23 based on your review, expert review?

- 1 A It doesn't say on this report.
- 2 Q **Look** at the second interpretation, Dr. Chalhub,
3 what does it say?
- 4 A It says the second set of films were taken at
5 10:20. You said 9:20.
- 6 Q That's right. What does it say with regard to
7 when the first films were taken?
- 8 A Oh, it says which is in about an hour after the
9 first set of -- the first set shows the distal end of the
10 endotracheal tube in the right main stem bronchus.
- 11 Q Is that true or untrue?
- 12 A Oh, I would think based **on** the data that it's
13 untrue.
- 14 Q Okay. You disagree with the records in that
15 regard?
- 16 A With that statement, yes.
- 17 Q Okay. **We** have a tube at 9:15 placed by whoever
18 placed it, which you don't know. Do you know whether or not
19 anybody admits placing that tube based upon your review **of**
20 the depositions?
- 21 A It's kind of difficult to tell. I think -- I
22 really don't know.
- 23 Q Don't remember what they said?

1 A (Witness shakes head negatively.)

2 Q Okay. Where was Dr. Pajarillo when that tube was
3 placed there at 9:15?

4 A I don't know.

5 Q Could have been there?

6 A Yes.

7 Q Could have done the intubation, couldn't he?

8 A Yes.

9 Q At any rate, it's your theory that this child was
10 appropriately and properly ventilated when the first
11 placement **of** the tube was made at or around 9:15?

12 A No, I didn't say that. You asked me if -- before
13 if I felt that this tube was in the esophagus from 9:15
14 until it was -- between 10:05 and 10:20 and I told you no.
15 Now, whether this child was properly ventilated or
16 improperly ventilated, I can't comment **on** that.

17 Q Well, let's assume for a moment the Chalhub
18 theory to be true, sir; that the tube, when it was first
19 placed, was placed in the trachea. That's your assumption,
20 correct?

21 A Sure.

22 Q Okay. Was that the right place or the wrong
23 place?

1 A Sure, but that doesn't mean you can properly
2 ventilate even if it's in the right place. **So**, I can't
3 comment on that.

4 Q Okay. Was the child appropriately and properly
5 ventilated when the tube was placed in the trachea at or
6 around 9:15, **Dr.** Chalhub?

7 A I would assume, but I can't tell you that.

8 Q How long was he appropriately and properly
9 ventilated after the tube was placed in the trachea?

10 A Until or about 9:47.

11 Q And at 9:47 you think what happens at that time?

12 A That the tube goes into the esophagus.

13 Q And why do you say that?

14 A Because it's in the esophagus when the film is
15 taken.

16 Q Okay. You presume that the 9:47 time that you're
17 talking about is when the film was taken?

18 A Well, we've already gone through that. On or
19 about that. I mean, that's what you said. Those were your
20 words.

21 Q If it was taken at 9:20, your theory wouldn't
22 hold water, would it?

23 A Well, if it was taken at 9:20, this child would

1 have probably had cardiac arrest and died.

2 Q Why is that?

3 A Because ventilating a baby in the esophagus will,
4 one, distend the stomach to an enormous level which will
5 push the diaphragm up, compromise the lungs. Furthermore,
6 when the pH drops for an extended period of time, you know,
7 greater than ten minutes, below seven, you have cardiac
8 arrhythmias and significant bradycardia and often cardiac
9 arrest, which is not what this child did. Furthermore, the
10 brain scans and CT scans does not demonstrate a hypoxic
11 lesion. It demonstrates an ischemic lesion and it
12 demonstrates focal infarcts, which is consistent with
13 meningitis and consistent with the process that this child
14 has. Not consistent with hypoxia or having an endotracheal
15 misplaced for a period of ten to twenty minutes.

16 Q Well, we'll get to the **CAT** scans in a minute.
17 Let's stick with these chest x-rays if we can.

18 A Well, I'm giving you all of the reasons and they
19 all -- you know, you have to practice medicine based on all
20 the facts. Not a couple of them.

21 Q Let's talk about these chest x-rays first. Okay?

22 A Sure.

23 Q **9:47** a chest x-ray is taken, according to your

1 theory, which shows an endotracheal tube in the esophagus?

2 A Right. That's your theory too. I mean, that's
3 the time that's on the x-ray. Now, you know, I can't tell
4 you, you know, exactly when it was taken, but I can tell you
5 based on clinical medicine and based on observation, it has
6 to have been shortly before then.

7 Q What time did the tube go from the trachea to
8 this child -- this child's esophagus?

9 A I can't tell you exactly. I don't know.

10 Q Don't know.

11 Can you tell by looking at the clinical data and
12 the --

13 A Yes. It has to be relatively close to that
14 period of time.

15 Q Okay. Within four minutes, five minutes?

16 A I would think so.

17 Q And how did that occur, sir?.

18 A Well, I mean, I guess it slipped out of the
19 trachea and went into the esophagus, which is not uncommon.

20 Q And how **do** you, as a clinician, detect the
21 slippage **of** a tube from a trachea to an esophagus?

22 A By taking a chest x-ray.

23 Q And that was done?

1 A Correct.

2 Q Was there any air in the stomach on the chest
3 x-ray that you reviewed?

4 A Yes, and it says here, and I would agree, that
5 it's slightly distended. Certainly not something that you
6 would expect with thirty minutes **of** bagging or an
7 endotracheal tube in the stomach.

8 Q Who was doing the bagging? Do you remember?

9 A No, I don't know.

10 Q The child wasn't ventilated? Ventilated by a
11 mechanical respirator?

12 A Oh, I can't -- well, the child was being
13 ventilated, certainly. You know, at sometime bagging and
14 then sometime by the ventilator.

15 Q Okay. When was the child placed on the
16 ventilator, the mechanical ventilator in this time sequence?

17 A I don't know the exact time..

18 Q Sometime at Appalachian Regional Hospital?

19 A You know, I don't honestly know that.

20 Q Don't remember?

21 A **No.**

22 Q Not important to you?

23 A Well, no. I mean, the child was ventilated

1 either by bagging or by machine and is obviously reasonably
2 well ventilated up until that period of time.

3 Q And you know this by all the subsequent
4 laboratory data and films that you have reviewed?

5 A Correct.

6 Q Not by what is contained in the records, however,
7 at Appalachian Regional Hospital?

8 A Well, by those too.

9 Q Okay. Were there blood gases taken between 9:15
10 and 10:05?

11 A No, I think the next set is at 10:35.

12 Q Okay. Was there any laboratory data taken? For
13 instance, vital signs taken between 9:15 and 10:05 on this
14 child?

15 A Well, I think that's kind of hard to determine.
16 There's a --

17 Q You have the records,

18 A Well, I know that. I'm saying, you know, I can't
19 determine that. I do not see any in the records.

20 Q Did you read any depositions by any of the
21 respiratory personnel at Appalachian Regional Hospital as to
22 how this child was being ventilated and who was doing it?

23 A I believe I did, but there were a lot of

1 depositions, so I can't tell you exactly by memory.

2 Q And you don't recall now whether it was by
3 bagging or by mechanical ventilation?

4 A **No.**

5 Q And it's not important to you in any opinions
6 that you have?

7 A **No.**

8 Q Okay. What happened between 9:47, the time this
9 film was taken or developed, and 10:05 --

10 A Well --

11 Q -- with regard to the endotracheal tube?

12 Did it remain in the esophagus?

13 A Well, it was replaced, you know, after 10:05.

14 Q Maybe I'm having trouble communicating, you're
15 having trouble listening.

16 What happened to the endotracheal tube which is
17 shown to be in the esophagus according to your theory at
18 9:47 and the blood gas that was taken at 10:05, Doctor?

19 A Oh, I assume it stayed there.

20 Q Why do you assume that?

21 A Well, because it wasn't replaced until after the
22 blood gases.

23 Q Could it have slipped back into the trachea?

1 A No.

2 Q What about the blood gases indicates to you that
3 it remained there for approximately, what; twenty minutes,
4 seventeen minutes?

5 A Well, from 10:00 o'clock, thirteen minutes.

6 Q Okay. No, not until 10:00 o'clock. Till 10:05.

7 That's when --

8 A No, I think that's the report. I think the blood
9 gases were drawn at 10:00 o'clock.

10 Q Were drawn at 10:00 o'clock?

11 A Correct.

12 Q Okay. And what about those blood gases indicate
13 to you that the endotracheal tube remained in the esophagus?

14 A Well, you have a respiratory and a metabolic
15 acidosis and a PCO2 of about eighty-eight,

16 Q How was the child ventilating his vital organs
17 including his brain for those thirteen minutes?

18 A Well, I think reasonably well.

19 Q How?

20 A What **do** you mean how?

21 Q How would he ventilate his major organs,
22 including his brain, with an endotracheal tube in the
23 esophagus for that thirteen minute period of time **from** 9:47

1 to 10:00 o'clock?

2 A I don't think I understand your question.

3 Q We have, according to your opinion, an
4 endotracheal tube in the wrong place in the esophagus at
5 9:47 a.m. on July 6th, 1986?

6 A Correct.

7 Q According to what you theorize, it remained there
8 for thirteen minutes?

9 A Yes.

10 Q And we would be ventilating what organ **of** this
11 child during that time? The stomach?

12 A Correct.

13 Q Stomach going to become distended for thirteen
14 minutes while this bagging or ventilation is going on?

15 A Sure.

16 Q What about oxygen to the brain?

17 A It's going to be decreased.

18 Q To what degree? To what extent?

19 A I don't know.

20 Q Why not?

21 A Well, there's no way to measure that.

22 Q Okay.

23 A Except by the subsequent studies.

1 Q Okay. And how much oxygen is he getting to his
2 heart, to his kidneys, to his liver?

3 A Well, obviously enough that it doesn't cause any
4 significant bradycardia or cardiac arrest which would
5 indicate that he was able to compensate.

6 Q What was this child's heart rate at any time
7 between 9:47 and 10:00 o'clock or 10:05 on the 6th of July,
8 1986?

9 A Well, it's not recorded.

10 Q It should have been too, shouldn't it?

11 A Well, I mean, that's certainly -- you know, I
12 would -- if I were in that position, yes, I would record it.

13 Q Well, how do you know the child was bradycardic
14 or tachycardia or whatever between 9:47 and --

15 A Well, I mean, your experts state that the child
16 didn't have a cardiac arrest and wasn't significantly
17 bradycardic and everybody else does too. So, I mean, all I
18 can do is go by what was said.

19 Q Okay.

20 A I mean -- and also the child did not have
21 intracardia epinephrine, have to be -- have external cardiac
22 massage, and so --

23 Q You agree with all that?

1 Regardless of what they say, you agree with it?

2 A Well, yeah, I think it's consistent --

3 MR. POE: Objection as to the form of the
4 question.

5 BY MR. THOMPSON:

6 Q You think it's consistent with what?

7 A With the clinical course of the child.

8 Q Okay. All right. The child's pH was what when
9 the blood was drawn?

10 A Six point seven nine.

11 Q PO2 of?

12 A Thirty.

13 Q PCO2 of?

14 A Eighty-seven point eight.

15 Q And base excess, minus twenty-six?

16 A Correct.

17 Q Indicative *of*, what; metabolic acidosis?

18 A And respiratory acidosis.

19 Q The child's obviously hypoxic?

20 A Yes.

21 Q Cause of all that is what?

22 A Is -- you mean -- the cause of blood gases is --

23 Q No, no, no. The cause of the metabolic acidosis

1 and the hypoxia and ending up with the results that we see
2 in the blood gases is what, in your opinion?

3 A Oh, I think at that point a combination of Group
4 B Streptococcal sepsis and an esophageal intubation.

5 Q How close to death is the child at that time?

6 A Well, I mean, if it had been for any extended
7 period of time, then I would have expected the child to have
8 a cardiac arrest and die. So, obviously the child was close
9 to death.

10 Q Child didn't die?

11 A **No.**

12 Q And how long did that tube remain, after that
13 blood gas was taken, in the esophagus?

14 A Sometime between 10:05 and 10:20. I don't know
15 the exact time.

16 Q And who was it that corrected the placement of
17 the endotracheal tube?

18 A Well, I believe it was Dr. Fahmy.

19 Q And why do you believe that?

20 A Well, as best I can determine, that's what the
21 testimony is.

22 Q Testimony of whom?

23 A Dr. Fahmy.

1 Q Okay. Anybody else?

2 A Maybe. I can't -- there are a lot of

3 depositions.

4 Q Okay. And that correction was made sometime

5 between 10:05 and the time of the next chest x-ray?

6 A Correct.

7 Q Which you reviewed?

8 A Yes.

9 Q And your review of that chest x-ray was what,

10 sir?

11 A Well, that the aeration was improved -- let me

12 get the exact report. And the endotracheal tube was in the

13 right main stem bronchus.

14 Q Okay, Blood gases taken shortly after that?

15 A 10:30 or 10:35.

16 Q Drawn then?

17 A Yes.

18 Q Okay. And show what: improved ventilatory

19 status?

20 A Shows improved blood gases, yes.

21 Q Blood gases were improved because of what?

22 A Because the child had the endotracheal tube in

23 the trachea.

1 Q Okay. The tube was removed from the esophagus
2 and placed back into the trachea where it should have been?

3 A Well, that's the appropriate place, yes.

4 Q And the x-rays showed the tube to be in the right
5 main stem bronchus, did it not?

6 A Correct.

7 Q As well as the trachea?

8 A Correct.

9 Q And it was shortly thereafter withdrawn?

10 A Pulled back.

11 Q Pulled back?

12 A Right.

13 Q And the child remained at Appalachian Regional
14 Hospital for what period of time after that blood gas was
15 drawn?

16 A Until about 12:00 or 12:30 and the child was
17 transported.

18 Q And then medivac'd to Cabell Huntington?

19 A Correct.

20 Q What was your opinion, based upon your review of
21 the records, what brought this child to Appalachian Regional
22 Hospital?

23 A Well, the child was having apneic spells, had

1 decreased responsiveness, and the child appeared quite ill.

2 Q To whom?

3 A Mother.

4 Q And she and who else took the child where from
5 their home?

6 A Well, the child was transported by ambulance.

7 Q Okay.

8 A How did the child get to the ambulance or the
9 ambulance get to the child? Do you remember?

10 A No.

11 Q Do you remember who the ambulance driver was?

12 A No.

13 Q Okay. At any rate, do you remember what occurred
14 from the time the mother thought the child was in trouble
15 until the child got to the hospital? What the clinical
16 appearance of the child was?

17 A Well, I think that there are various descriptions
18 depending on who you look at and which records you look at,
19 but the child -- let me just get the exact --

20 Q What are you looking at?

21 A I'm looking at the Appalachian Hospital, Regional
22 Hospital records.

23 Q Well, do you have any records between the time

1 the mother called the transport and the child was received
2 at Appalachian Regional Hospital that morning?

3 A Do I have any records?

4 MR. CLEEK: Your question is addressing the
5 child's condition at home before it was brought in: is
6 that right?

7 MR. THOMPSON: At home, in the ambulance,
8 whatever.

9 A No, I think those were destroyed.

10 BY MR. THOMPSON:

11 Q Okay. By whom?

12 A I don't know.

13 Q Okay. You haven't seen them?

14 A Nope.

15 Q Okay. Do you recall what the descriptions of the
16 child were by anyone who was with the child?

17 A Well, I think that there are various
18 descriptions. The child was apneic, was cold, was cyanotic,
19 was blue, and the -- you know, at arrival the child was ill.

20 Q Well, who said the child was cold during the
21 period of time from the time the mother called the ambulance
22 people until the child arrived at Appalachian Regional
23 Hospital?

1 A I think those are descriptions of the child.

2 Q Who gave that description of the child being
3 cold, Doctor?

4 A I don't recall.

5 Q Was that important?

6 A Well, I mean, obviously the description is
7 important. Who said it, you know, I don't think, you know,
8 makes a great deal of difference,

9 Q Okay.

10 A I mean, this child has Group B Streptococcal
11 sepsis. I don't think there's any question about it.

12 Q My question is: Who described the child as being
13 cold?

14 MR. CLEEK: You're talking about on the way
15 in?

16 MR. THOMPSON: Yeah.

17 MR. CLEEK: He's still talking about on the
18 way in.

19 A I don't know.

20 BY MR. THOMPSON:

21 Q Okay. Who described the child as being psychotic
22 -- cyanotic? Excuse me. That's what I am.

23 MR. CLEEK: And that was a Freudian slip on

1 your part.

2 A The -- I don't know.

3 BY MR. THOMPSON:

4 Q Who described the child as being blue?

5 A Again, I can't tell you specifically who.

6 Q The child have a cardiac arrest at home?

7 A No.

8 Q The child have a respiratory arrest at home?

9 A Well, the child was apneic.

10 Q Child have a respiratory arrest at home before
11 arriving?

12 A I don't believe so.

13 Q Why not?

14 A Well, you know, I think the -- you know, it
15 depends on whether you're going to describe the length of
16 time of the apnea as a respiratory arrest and I don't think
17 that was timed. So, I don't know how to answer that.

18 Q At any rate, you don't think the child had a
19 respiratory arrest; that is, a complete cessation of
20 respirations or breathing for a significant period of time?

21 A I don't know.

22 Q Don't know?

23 A That's right.

1 Q Don't think the child had a cardiac arrest: that
2 is, a heart stoppage?

3 A No.

4 Q Okay. Why not?

5 A Well, it's not described. The child obviously
6 survives for an extended period of time and the laboratory
7 data is not consistent with it.

8 Q Okay. Child's condition upon arrival at
9 Appalachian Regional Hospital according to your review of
10 the records was what, sir?

11 A The child had a pulse of one fifty-one.

12 Q How do you know that?

13 A Well, it says so on the records here.

14 Q Do you believe it?

15 A Well, I mean, why not?

16 Q Okay. I don't know. You tell me. Maybe you
17 have a reason not to believe it based upon all the data that
18 you have reviewed.

19 A **No, I don't think that's inconsistent.**

20 Q Okay.

21 A Why don't we take just a -- we've been going for
22 an hour. Let's take a --

23 Q Have we been going that long?

- 1 A Close; forty-five minutes. Let's take a minute.
- 2 Q Oh, okay. Fine.
- 3 (Short break)
- 4 BY MR. THOMPSON:
- 5 Q The question we were on was this child's
- 6 condition on arrival at Appalachian Regional Hospital.
- 7 You would agree with me the records clearly
- 8 disclose that this child was there at about 8:45?
- 9 A Correct.
- 10 Q And can you share with me who it was that saw
- 11 this child on his arrival at 8:45 in the emergency room
- 12 based upon your review ~~of~~ all the records, Doctor?
- 13 A Where are they?
- 14 Q What do you want? This list?
- 15 A No, where are the -- your records.
- 16 Q I don't know. I haven't taken anything.
- 17 A Okay. I'm sorry. Here they are.
- 18 M. Teters (Phonetic). I guess that's who it is.
- 19 That's the name.
- 20 Q Okay. Do you know who she is or who he is?
- 21 A No.
- 22 Q You didn't review that deposition?
- 23 A If **it's** not on there, I didn't.

1 Q It's not on your list.
2 A Then I didn't review it.
3 Q Okay. Who else was there?
4 A Well, I guess -- it says here M. Titters is
5 L.P.N., Blackburn is an RN, and I don't know at which time
6 that any of these people were --
7 Q Blackburn you read. Was she there?
8 A I assume so. The RN, yes.
9 Q Okay. Who else was there at 8:45?
10 A I don't know.
11 Q Okay. Was the emergency room physician there?
12 A Yes.
13 Q Was Dr. Pajarillo there?
14 A I don't believe so.
15 Q Was Dr. Kim there?
16 A I don't know.
17 Q Dr. Tablante?
18 A I don't know.
19 Q Dr. Fahmy there?
20 A **No.**
21 Q Okay. What was this child's condition as noted
22 by any or all **of** them at that time based upon your review **of**
23 the records and depositions, Dr. Chalhub?

- 1 A Well, the child had the -- was ambued at **8:45** and
2 I assume the child was still having difficulty breathing as
3 it was at home, you know, when the, you know, the mother
4 recognized the child was not doing well and then
5 transported. And then at **9:15** it states an endotracheal
6 tube was placed.
- 7 Q Okay. Well, what else --
- 8 A It says the child was monitored continuously.
- 9 Q Monitored on what or by what?
- 10 A I assume by a stethoscope, palpation. You know,
11 I don't know.
- 12 Q No records to that effect?
- 13 A None.
- 14 Q He had a pulse on arrival of one fifty-one?
- 15 A That's what it says on the ER sheet, correct.
- 16 Q **Is** that normal?
- 17 A Well, you know, for a child that has Group **B**
18 sepsis with infection, yeah, it would be consistent.
- 19 Q Okay. At **8:45** to **9:15** on July 6th, **1986**, what
20 neurologic deficit, if any, did Adam Meade have?
- 21 A I don't know.
- 22 Q Any?
- 23 A I can't tell you that. There's no description.

1 Q You don't have any opinion one way **or** another
2 whether or not he was normal in all neurologic aspects **or**
3 not --

4 A Well, he's certainly not normal.

5 Q -- at that time?

6 A He has a depressed level of consciousness, he's
7 being ambued and he has sepsis and meningitis.

8 Q Well, you say he's got a depressed level of
9 mentation?

10 A Of consciousness.

11 Q Of consciousness?

12 A Right.

13 Q Where did you get that from?

14 A Well, the child is being ambued. He's not
15 responding appropriately. He's not breathing, so it's
16 obviously depressed.

17 Q Okay. Child is how old at this time?

18 A Thirty-nine days.

19 Q Okay. When did the damage, neurologic damage
20 that this child now evidences occur, in your opinion?

21 A Well, you know, based on the complete sequence of
22 events and the x-rays, the -- and, you know, it's, again,
23 difficult to totally piece the, you **know**, all of the facts

1 together. The, you know, record from Cabell states that the
2 child had an arrest at home, the mother gave CPR. Whether
3 the child was bradycardic and had decreased perfusion to the
4 brain at that time is unclear. It certainly could have
5 occurred and then responded due to the stimulation and the
6 CPR and then the transport and that -- at that point could
7 have caused an ischemic insult to the brain. Certainly
8 after the child is at Cabell, the child did not have any
9 cardiac arrest. There's one description of a pulse of
10 twenty by the transport nurse, and I don't know at which
11 time that occurred. The best -- you know, the best evidence
12 of when that occurred was between, you know, 9:15 and -- or
13 **8:45** and -- the only time the child was there and --

14 Q Wait a minute. I don't understand that; **8:45** and
15 the time the child was there. We're talking about the
16 recorded heart rate of twenty.

17 A Right. I don't know what time it was. **All** I can
18 tell you is there is a recorded heart rate of twenty.

19 Q Well, did that occur after **8:45**?

20 A You know, it's -- there's no documentation of the
21 time. It could have been on arrival. It could have been
22 thereafter. I don't know.

23 Q Recorded heart rate of twenty consistent or

1 inconsistent with a pulse rate of one fifty-one?

2 A Well, I mean, you can be tachycardic and you can
3 be bradycardic with stimulation. It depends.

4 Q You could have both?

5 A Sure.

6 Q So you don't know when that was during --

7 A No.

8 Q -- this morning?

9 A No, I don't know. There's no way to state in
10 terms of the time.

11 Q And who reported the one fifty-one?

12 A The transport nurse -- oh, the one fifty-one, I
13 don't know.

14 Q And **who** reported the twenty?

15 A I believe the transport nurse.

16 Q **Is** it your understanding that the transport nurse
17 that recorded the twenty made the observation and --

18 A **No**, I believe this **is** something that was told to
19 her.

20 Q Okay.

21 A **As** best I can recollect.

22 Q **Do** you know who told it to her?

23 A **No**.

1 Q Who was the ambulance driver or attendant that
2 took this child to Appalachian Regional Hospital in the
3 early morning hours of July 6th, 1986?

4 A I don't know the name.

5 Q You've got a deposition on here or among this
6 list is the name of Deborah Preece. Did you read Deborah
7 Preece?

8 A A good while ago.

9 Q Who was she?

10 A You know, I can't recall all of those. I mean,
11 there a lot of depositions.

12 Q Well --

13 A But, I mean, if she's the person, I don't --

14 Q She's the person.

15 A Okay.

16 Q She's the person.

17 A Okay.

18 Q Do you recall what she said about this child's
19 condition during the transport?

20 A No. I mean, we can get the deposition out and
21 look at it. I can't tell you verbatim.

22 Q As you sit here right now, do you have any
23 recollection of disagreeing with Deborah Preece's

1 observations of this child and recollection of this child?

2 A No. I mean, if that's what she recollects and
3 describes, I have no disagreement with her.

4 Q As you sit here right now as you recall her
5 deposition, do you recall anything inconsistent about what
6 she said about the child when compared with the records from
7 Appalachian Regional Hospital?

8 A Well, you know, I don't have her exact statements
9 here, so I just can't tell you exactly. You know, I can't
10 tell you.

11 Q Okay. I notice on here that you don't have David
12 Meade's deposition, the father?

13 A Correct.

14 Q Do you know if he was with this child during the
15 early morning hours of **July** the 6th, 1986?

16 A I don't believe **so**, but I don't know.

17 Q Would his observations of the condition of this
18 child be important to you and what occurred before the child
19 arrived at the hospital?

20 A Well, I mean, I think it's another person
21 observing the child and if he did record it, I would
22 certainly look at it.

23 Q Do you know why you didn't get that deposition?

1 A **No.**

2 Q The third name here escapes me. It seems to be
3 Patricia and then there's a line and then there's a last
4 name. Who is that?

5 A Stouffer.

6 Q Stouffer. Okay.

7 Did you read an individual by the name of
8 Patricia Meade, the mother of the child?

3 A I don't believe so.

10 Q Would the observations of the mother who was with
11 the child during the early morning hours of July 6th, 1986
12 be of any importance to you in formulating any opinions as
13 to what the condition of the child would have been prior to
14 arrival at Appalachian Regional Hospital?

15 A Yes, I think so. I think her -- the description
16 is summarized in the Cabell chart as the mother describes
17 grunting respirations throughout the night and into the a.m.
18 and the child was unresponsive all night, prolonged apnea.
19 At 8:00 a.m. the mother tried CPR. The color worsened and
20 was **taken** by the ambulance driver and CPR was begun with
21 oxygen.

22 Q Okay.

23 A And I think that's -- you know, I mean, that's --

1 I suspect that's a history, you know, taken by the
2 individual at Cabell which is from the mother.

3 Q Okay. That recorder of that history was whom,
4 please?

5 A I can't read the name.

6 Q Okay. You don't know whether or not the mother's
7 deposition testimony is in agreement or disagreement for
a that -- with that?

9 A Not to each and every statement, no.

10 Q Okay. And may or may not be important, I take
11 it, then?

12 A Well, no, I think the mother's, you know,
13 observations are important.

14 Q Okay.

15 A I mean --

16 Q Do you know why you didn't get the mother?

17 A No.

18 Q Did you ever ask for the mother?

19 A No.

20 Q Did you ever ask for the father?

21 A No.

22 Q Do you know who a Dr. Bodensteiner is?

23 A He's a neurologist.

1 Q How do you know him?

2 A I have met him, talked with him. He's a
3 colleague.

4 Q When is the last time you saw him and talked to
5 him?

6 A Gosh, I don't know. Several years ago, a year
7 ago.

8 Q Do you respect his opinions as a pediatric
9 neurologist?

10 A I think he's an excellent pediatric neurologist.

11 Q Do you know what role, if any, he plays with
12 regard to Adam Meade?

13 A Well, he has seen the child. He has done an
14 independent medical examination, summarized that, and I
15 think a copy of it is in here.

16 Q Did you read his deposition?

17 A Yes.

18 Q How come it's not on here?

19 A Well, then I just left it off. I did receive
20 that.

21 Q Well, let's add that one too.

22 A I think it's on here.

23 Q Well, if it is, point it out to me.

1 A We left that one off. I apologize.

2 Q Well, we got the CT scans we've apologized for
3 and Bodensteiner. Can you think of any more right now?

4 A No, no. You know, I'm not --

5 MR. POE: Objection as to the form of that
6 statement.

7 MR. CLEEK: Objection as to the absurdity of
8 the question.

9 Don't harass the guy. Just ask the
10 questions.

11 BY MR. THOMPSON:

12 Q Is his name Bodenstein or Bodensteiner?

13 A I think it's Bodensteiner.

14 Q You read his deposition?

15 A Yes.

16 Q And do you remember, have you any recollection as
17 you sit here today whether or not you were in agreement with
18 him or his report or disagreement with him and his report?

19 A Now, which; the deposition or report or both?

20 Q Either one or both.

21 A Well, I don't have any disagreement with his
22 report. I mean, that's his observations and I think he's a
23 good observer based on my experience with him. Let me get

1 that report out.

2 Q Okay.

3 A And in terms of his deposition, I -- (Inaudible)
4 -- you know, in general with some of the conclusions.

5 THE REPORTER: You do what in general with
6 some of his conclusions?

7 THE WITNESS: Conclusions.

8 What do you want me to comment on? First the
9 report of July 22, 1992 or what?

10 MR. CLEEK: He said he differed in general.

11 THE WITNESS: Yes, differed.

12 BY MR. THOMPSON:

13 Q My understanding is you agreed with his report?

14 A In terms of his observations.

15 Q In terms of observations?

16 A Right.

17 Q Do you disagree with his report in any regard?

18 A All right. Which part did you -- in any regard?

19 Q In any regard.

20 MR. McNEER: Could you have him refer to that
21 specific document?

22 MR. THOMPSON: Yeah, I think he's referring
23 to the report dated June the 22nd of 1992.

1 A July.

2 BY MR. THOMPSON:

3 Q July 22nd. I apologize.

4 And it's how many pages, Dr. Chalhub?

5 A Three pages.

6 Q Three pages.

7 I take it, while you're looking at it, **you**
8 haven't talked to Dr. Bodensteiner about Adam Meade?

9 A **No.**

10 Q Nor has he talked to you about Adam Meade?

11 A That's correct.

12 Well, I disagree with his interpretation **of** the
13 CT scan. I have not seen the February, 1991, but I suspect
14 it's very similar to the March of 1988 and has the
15 description here is the child has evidence of infarction or
16 multiple place -- multiple places and there are certainly
17 more areas of encephalomalacia and porencephalic cysts in
18 the right hemisphere than the left hemisphere, although the
19 left ventricle is larger than the right ventricle and this
20 would be consistent with multiple infarcts and I would feel
21 more consistent with a cerebral vasculitis of the large
22 vessels. It certainly could be due to ischemia. It's not
23 the typical hypoxic lesion and I would differ from that

1 interpretation. **So**, the -- one would state -- I would say I
2 would just differ in that aspect.

3 **Q** Okay. Anything else you disagree with other than
4 the fact that he feels that some of these lesions are
5 secondary to hypoxia?

6 **A** Well, these are ischemic lesions and I think the
7 morphon (Phonetic) infarct is an ischemic lesion and
8 multiple infarcts are an ischemic lesion. Not a hypoxic
9 lesion. You know, if there is any hypoxic damage, I don't
10 know how you would tell it from that. What you can tell
11 definitely is that this child has multi-systic (Phonetic)
12 encephalomalacia predominantly in the right hemisphere, but
13 certainly in the left hemisphere with dilated ventricles and
14 hydrocephalus which became apparent on July the 18th when
15 the first **CT** scan was done.

16 **Q** Okay. What do you mean by ischemia? What's your
17 definition of ischemia?

18 **A** **Is** decreased **blood** flow or occlusion of blood
19 vessels.

20 **Q** Occlusion secondary to?

21 **A** A vasculitis.

22 **Q** Vasculitis being an inflammatory condition of the
23 vessel?

1 A Right, secondary to Group B Streptococcal
2 meningitis.

3 Q Secondary to the bacterial infection itself?

4 A Secondary to the Group B Streptococcus, yes,
5 absolutely.

6 Q All right. Anything else you disagree in his
7 report other than what you've told us?

8 A **No**, the -- you know, if there is hypoxia, one, I
9 don't see how one can tell the difference between the
10 hypoxia, if you want to say that the child was hypoxic with
11 a PO of thirty for whatever length of time at Appalachian
12 when the child, for three days, was hypoxic at Cabell with a

13 PO2's in the thirties. So, you know, why would one not
14 attribute, if there was hypoxia, which I do not believe you
15 can differentiate on that scale, why is it not due to that?

16 Why is it due to a period of -- short period of time in an
17 emergency room in which the PO -- PCO2 is not very high,
18 which would indicate that the child could not have been
19 improperly intubated for an extended period of time, there
20 was **no** cardiac arrest, no bradycardia. This child is moving
21 all extremities after leaving there, and --

22 Q After leaving where?

23 A The Appalachian Regional Hospital.

- 1 Q And you say the PO2's at Cabell --
- 2 A Sure.
- 3 Q -- you talked about in the thirties?
- 4 A Yes.
- 5 Q What hospitalization are we talking about?
- 6 A 7/6/86 to 8/11/86.
- 7 Q On admission?
- 8 A Yeah.
- 9 Q Okay. All right.
- 10 A And then for three days afterwards.
- 11 Q All right, Secondary to?
- 12 A Group B Strep, sepsis, inadequate perfusion, a
- 13 very sick child. And so, you know, so what you have is you
- 14 have a child that has Group B Streptococcal sepsis, has a
- 15 brief period of hypoxia --
- 16 Q Brief period being what?
- 17 A Thirteen minutes, fifteen minutes, seventeen
- 18 minutes.
- 19 Q Okay.
- 20 A -- without any cardio/respiratory arrests.
- 21 Q Thirteen to seventeen minutes of hypoxia?
- 22 A Yes.
- 23 Q Okay.

1 A And the child, his blood gases respond
2 immediately after being placed, after having the
3 endotracheal tube replaced in the trachea, and then the
4 child develops -- and, again, with not much air in the
5 stomach, not much air in the abdomen which would not be
6 consistent -- which would be consistent with the tube not
7 been misplaced very long and certainly not ventilated for an
8 hour or an hour and twenty minutes or whatever the length of
9 time every one agrees upon, if that is possible. Then you
10 have a child that subsequently has a course that is
11 absolutely consistent with Group B Streptococcal meningitis,
12 inadequate perfusion to the brain or vasculitis. Not
13 hypoxia.

14 Q This Group B Streptococcus was acquired from what
15 source, in your opinion?

16 A Well, you know, late onset Group B Streptococcus
17 can either be just due to colonization and then become an
18 evasive infection or it can be acquired from a caretaker
19 such as the mother, or another family member, or it can be
20 acquired as a respiratory route from somebody else.

21 Q In your opinion, where did Adam Meade get his
22 bacterial infection, Doctor?

23 A Probably from the mother.

1 Q When?

2 A After birth.

3 Q At Cabell Huntington?

4 A Oh, yeah, I mean, I think that the child probably
5 was colonized at Cabell Huntington, yes.

6 Q The child's condition on the 5th of July, 1986
7 when the child was discharged from Cabell Huntington was
8 what?

9 A Well, you know, I didn't really review those
10 records in detail, but as it was in the discharge summary,
11 it was doing well.

12 Q Do you know what the mother's testimony is with
13 regard to the condition of her child then?

14 A Well, I think the child was still having some
15 apneic spells, was sent home on a monitor, but, you know,
16 again, I -- you know, I did not review those records in
17 detail.

18 Q Do you have an opinion whether or not the child
19 should have remained in the hospital or should have been
20 discharged?

21 A No, I don't.

22 Q Do you know what symptomatology, if any, the
23 mother had observed the day and the day before this child's

1 discharge?

2 A No, I don't have her deposition. I can't recall.

3 Q Would that be important with regard to this
4 child's condition on discharge or not?

5 A Well, it may be important in terms of the child's
6 condition on discharge. It has no bearing on the causation.

7 Q Has no bearing with regard to your opinion on
8 causation?

9 A No, I think based on the facts, Mr. Thompson.

10 Q But it's your opinion as to causation?

11 A No. I mean, you've got x-rays, you've got blood
12 gases, you've got clinical course, you've got known
13 pathophysiology, which is certainly not in dispute.

14 Q When did this child become infected and
15 symptomatic with regard to his disease process?

16 A The child became symptomatic the evening prior to
17 admission and -- I'm sorry. What was the rest of your
18 question?

19 Q When did the child become symptomatic and
20 infected with this -- you said the evening before. What
21 time the evening before?

22 A Well, when the child started manifesting
23 decreased responsiveness and recurrent apneic spells.

1 **Q** According to the history you got in the Cabell
2 Huntington record as recorded by someone there on the 6th on
3 his admission from the mother?

4 **A** Correct.

5 **Q** **All** right. Now, with regard to your review of
6 the scans in this case, you reviewed multiple scans which
7 you don't have with you today?

8 **A** Correct.

9 **Q** And those scans were returned yesterday to Mr.
10 Cleek?

11 **A** Correct.

12 **Q** Any reason why you didn't keep them here?

13 **A** No. I mean, there's no view box, so I wouldn't
14 have brought them anyway.

15 **Q** Well, we could have taken this deposition at a
16 place where a view box was, I presume, including your
17 office, right?

18 **A** No.

19 **Q** Was there any particular reason why you mailed
20 them back yesterday, the day before this deposition, to Mr.
21 Cleek?

22 **A** No.

23 **Q** Okay. **You** had reviewed them when and had those

1 CAT scans how long?

2 A At least several weeks.

3 Q Okay. And you made no notes concerning your
4 review during those several weeks of those scans?

5 A No, I don't make notes reviewing x-rays.

6 Q All right. How many times did you look at the
7 scans of Adam Meade that you were provided with, Doctor, and
8 made no notes?

9 A Oh, I've looked at them multiple times. I can't
10 tell you how many times. I looked at them this weekend.

11 Q Okay. Can we say you've looked at them on at
12 least two or three occasions or more?

13 A Sure.

14 Q And over what elongated period of time have you
15 viewed the scans?

16 Did you look at them for five minutes, twenty
17 minutes?

18 A I can't tell you that, Mr. Thompson. I looked at
19 them till I was able to interpret them to my satisfaction.

20 Q The first scan you looked at was July the 18th,
21 as I recall?

22 A Correct.

23 Q Okay. Tell me what changes you recall in the

1 July 18, 1986 scan.

2 A Well, I think that they are very similar to the
3 interpretation by the radiologist at Cabell.

4 Q You're pulling that out now?

5 A Sure.

6 Q Do you have any disagreement with that
7 interpretation? Do you recall any disagreement with it?

8 A Let me get it and I'll tell you.

9 Q All right.

10 A No, I think it's total agreement with decreased
11 attenuation in the white matter in both hemispheres due to
12 known meningitis,

13 Q You agree with his report?

14 A Sure. I mean, it tells you it's due to
15 meningitis.

16 Q Do you have any other report or any other
17 recollection of any other interpretation of yours that's not
18 contained in there?

19 A No.

20 Q Okay. So, you agree totally with that
21 interpretation?

22 A Yeah, I don't have any disagreement.

23 Q Okay. Next interpretation was when?

1 A 8/1/86.

2 Q Okay. And your review of that CT scan was what?

3 I'll bet it was identical to what the radiologist read it
4 as.

5 A Well, it's not identical. It 's similar.

6 Q Okay. Tell me how it was dissimilar.

7 A No, I said it was similar.

8 Q Okay. Was it -- did your opinion differ in
9 anyway?

10 A Well, you may describe it differently and in
11 different terms, okay. You know, you can't do it verbatim
12 as somebody else would do it, but there -- there was
13 hydrocephalus and there were porencephalic areas, which are
14 areas of infarcts, within the brain slightly greater on the
15 left than the right at that time. There was enhancement
16 after contrast infection, which is, again, consistent with
17 meningitis. And, you know, and consistent with a continued
18 inflammatory reaction which the child had.

19 Q Okay.

20 A And **Dr.** Adam Winn (Phonetic) states that. This
21 may represent enhancement, secondary residual inflammatory
22 change.

23 Q Okay. You agree with that?

- 1 A Sure.
- 2 Q Any other comments that you recall based upon
3 your review of that scan that you haven't told me about?
- 4 A No.
- 5 Q Next scan that you reviewed would have been when?
- 6 A November, I believe, of '86.
- 7 Q November what of '86?
- 8 A I don't remember that exact date. I can --
- 9 Q Have you got the report?
- 10 A No, I don't have the report in here.
- 11 Q Was there one in November of '86?
- 12 A Was there a report?
- 13 Q Yes.
- 14 A Yes.
- 15 Q Where was that scan done?
- 16 A I don't recall.
- 17 Q Who made that report?
- 18 A I don't know the name by memory.
- 19 Q Tell me what your interpretation was of the
20 November scan, 1986, of Adam Meade.
- 21 A Well, there was hydrocephalus, multiple infarcts
22 in both hemispheres.
- 23 Q Okay. Anything else you can remember about that?

1 A No.

2 Q Do you remember disagreeing at all or have
3 anything in addition to what the reader of that CAT scan
4 reported?

5 A I can't recall that report verbatim, so I can't
6 tell you absolutely, but it's similar.

7 Q The infarcts in both hemispheres were
8 particularly peculiarly where? Where were they within the
9 hemispheres, if you know?

10 A The frontal parietal area and in the occipital
11 area and the temporal areas.

12 Q Are these old infarcts?

13 A Yeah. At that time, sure.

14 Q Any different than the infarcts you had seen on
15 the August 1st or July 18th CT scans?

16 A Yeah, they were more clearly defined.

17 Q Okay. Any additional infarcts or areas of
18 infarct in addition to the ones you had previously seen on
19 the first and second CT scan?

20 A Well, I'd have to have it out in front of me. I
21 cant tell you exactly. Certainly they are more clearly
22 defined in the March, '88 x-rays.

23 Q Next CAT scan you would have seen sequentially

1 after --

2 A March, '88.

3 Q That was the last one?

4 A Yes.

5 Q Date in March of '88?

6 A I don't know the exact date.

7 Q Where was that one taken?

8 A I don't know.

9 Q Was there a report with regard to that one that
10 you read?

11 A Yes.

12 Q Did you agree with that radiologist's report?

13 A Yes.

14 Q Did you have anything in addition to add to it?

15 A **No.** I mean, the -- there was a significant
16 hydrocephalus, which were enlarged ventricles, there were
17 multiple infarcts and, in my opinion, greater in the right
18 hemisphere than the left hemisphere, although the left
19 hemisphere was more dilated than the right and, you know,
20 consistent with a post-meningitic encephalopathy.

21 Q Okay. And those were all the CAT scans that you
22 reviewed?

23 A Correct.

1 Q And the CAT scans play what role with regard to
2 your opining in this case that the neurologic deficit and
3 damage is secondary to the meningeal infection?

4 A Well, they represent ischemic lesions either on a
5 decreased perfusion basis or vasculitis with occlusion in
6 multiple areas of the brain.

7 Q But with regard to balancing or weighing the
8 testimony, the other clinical evidence from Appalachian
9 Regional Hospital, the x-rays that you've talked about, how
10 important are these CT scans to you in arriving at an
11 opinion as to what caused this child's injuries and when?

12 A Oh, I think that they're another piece of
13 evidence that it's absolutely consistent with the clinical
14 course of a post-meningitic severe encephalopathy with
15 multiple focal infarcts and multi-systic encephalomalacia.

16 Q Important to you?

17 A Sure.

18 Q Your training with regard to the reviewing of
19 computerized tomography started when?

20 A 1974.

21 Q You were out of medical school at that time?

22 A Yes.

23 Q And you were where at that time?

1 A Barnes Hospital in St. Louis, Children's
2 Hospital.

3 Q And you were doing what in '74?

4 A A pediatric --

5 Q Fellowship?

6 A Pediatric neurology fellowship.

7 Q And you spent how much time with regard to
a computerized tomography at that time there?

9 A Well, off and on, three years.

10 Q Okay. Learned from whom?

11 A Well, there -- at the Malencrot (Phonetic)
12 Institute of Radiology, there were multiple radiologists,
13 but Luke Dargato (Phonetic) was one of the premiere
14 radiologists in CT scanning. In fact, Barnes was one of the
15 first hospitals to ever have a CT scan.

16 Q And what training, if any, after -- was it 1977
17 when you left there?

18 A '76.

19 Q '76. So, we've got 1974 to 1976 where you had
20 three years of training in computerized tomography there?

21 A **No**, it was '72 to '76.

22 Q 1972 to '76. Okay. So, we're talking about a
23 period of four years as opposed to three years or two years?

1 A Well, three years in a neurology fellowship,
2 adult and child neurology. One year as a pediatric
3 resident.

4 Q Okay. And I take it that you spent part of your
5 time with neuroradiologists learning to review and interpret
6 computerized tomography both with and without contrast?

7 A Correct.

8 Q And after 1976 what training, if any, did you
9 have in computerized tomography?

10 A Well, you had continued exposure with teaching
11 conferences, continuing medical education. I was in charge
12 of child neurology at the University of Arkansas, in charge
13 of neurology conferences and child neurology conferences, as
14 well as consulting with radiologists on a continual basis.

15 Q Neuroradiologists in particular with regard to
16 interpretation of computerized tomography of the head?

17 A I don't believe that there was -- there were --
18 radiologists at the University of Arkansas, my memory
19 escapes me, as to whether they were actually
20 neuroradiologists or radiologists with considerable
21 experience in neurology. I can't tell you.

22 Q Okay. And I would take it that you would share
23 opinions during that period of time?

- 1 A Sure.
- 2 Q And any training after you left Arkansas when?
- 3 A **1978.**
- 4 Q And after **1978** you've been here in Nobile?
- 5 A Mobile.
- 6 Q Mobile. Sorry.
- 7 A University **of** South Alabama.
- 8 Q And did they have a neuroradiologist here that
- 9 you consulted with in Mobile?
- 10 A Yes.
- 11 Q And who was that?
- 12 A I believe, and, again, that's a long time ago, I
- 13 think Dr. Peter Dempsey.
- 14 Q Okay. Have you written anything on
- 15 neuroradiology?
- 16 A I wrote an article with Dr. J. Powell Williams
- 17 who is a neuroradiologist.
- 18 Q And when was that article written?
- 19 A Sometime in the eighties. It was on
- 20 schizencephaly.
- 21 Q Well, that doesn't have anything to do with the
- 22 pathology in Adam Meade, does it?
- 23 A **No.**

- 1 Q Can you find it for me on your list of
2 publications or just give us the number in your list of
3 publications it would be?
- 4 What was the fellow's name you wrote that with?
- 5 A Powell Williams.
- 6 Q Twenty-four; is that it?
- 7 A Yeah, that looks good.
- 8 Q Journal of Computer Tomography, 1983?
- 9 A Right.
- 10 Q Any other articles other than that one?
- 11 A Concerning what?
- 12 Q Computerized tomography.
- 13 A Well, I think the article number twelve;
14 Porencephaly Associated with Coxsackie A9 Infection in the
15 Neonate, described a child with large cystic formations
16 secondary to that viral infection.
- 17 Q All right. Any articles that you have written
18 with regard to Group B Streptococcal meningitis?
- 19 A Well, I mean, I think they're listed, the ones
20 that I have.
- 21 Q Well, can you tell me what they are?
- 22 A Okay.
- 23 Q Number twenty-five is one, I take it; Group B

- 1 Streptococcal Infection, an Important Cause of Intrauterine
2 Asphyxia?
- 3 A Correct.
- 4 Q And that was written in '83?
- 5 A Correct.
- 6 Q Any other articles about Group B Streptococcal
7 meningitis?
- 8 A Number fourteen.
- 9 Q Group B Streptococcal Ventriculitis?
- 10 A Correct.
- 11 Q 1978?
- 12 A Correct.
- 13 Q Ventriculitis being what?
- 14 A An inflammation of the ventricles.
- 15 Q Secondary to, in this case, I take it through the
16 subject matter, was the bacterial infection?
- 17 A Group B Strep, yes.
- 18 Q Any others other than those two?
- 19 A No, only relating to Group B Strep.
- 20 Q Have you written any articles on hypoxia, anoxia?
- 21 A No, not specifically.
- 22 Q Have you written any articles on the
23 interpretation of computerized tomography and

1 differentiating ischemic damage versus hypoxic damage?

2 A No.

3 Q Do you know anybody that has?

4 A Oh, well, you know, I'm sure that there are a
5 number of texts available and articles available concerning
6 ischemic injuries.

7 Q What do you find or who do you find authoritative
8 with regard to interpreting computerized tomography with an
9 eye towards differentiating between ischemic and hypoxic
10 injury, Dr. Chalhub?

11 A Well, you know, I can't recall the authors of the
12 articles. I can tell you that there are a number of authors
13 that have published excellent works which I think are good
14 in part and I can't, you know, unless I have the article,
15 tell you I totally agree with everything anybody writes
16 unless you look at it.

17 Q You don't remember --

18 A But Luke Dargato, Peter Dempsey, J. Powell
19 Williams, Barkovick (Phonetic), and I can't spell his name,
20 the -- and there -- the other names -- there's several other
21 excellent pediatric neuroradiologists - I just can't recall
22 their names - that published textbooks.

23 Q And with regard to the subject matter of

1 differentiating between ischemic and hypoxic injury, you
2 think all **of** these individuals you've told me about have
3 written on that subject matter?

4 A Oh, I can't tell you specifically.

5 Q Okay. And you can't tell me where?

6 A Well, they're in their publications and, I mean,
7 one could obtain the list of their publications or
8 textbooks.

9 Q You can't tell me what journals they were written
10 in or site me to any particular textbook or article and
11 journal?

12 A **No.** I mean, the -- you know, the distribution of
13 this lesion as a -- whether you want to call it a watershed
14 infarct **or** multi-systic encephalomalacia or focal infarcts
15 is an ischemic lesion. I don't think anybody will disagree
16 with that.

17 Q Well, to a certain degree, Dr. Bodensteiner
18 disagreed with it, didn't he?

19 A He's talking about the atrophy. I would
20 interpret the atrophy as related to the infarcts. **So,** I
21 think we perhaps disagree in that.

22 Q Okay. Did you read Dr. Zimmerman?

23 A Yes, I did.

1 Q Do you know who Dr. Zimmerman is?

2 A Only that he's a radiologist.

3 Q Okay. Never heard of him or read about him or
4 anything like that?

5 A No.

6 Q And you disagree with him?

7 A Yes.

8 Q Why? What did he say that you disagreed with, if
9 you remember?

10 A Well, I don't think he has a good understanding
11 of Group B Streptococcal meningitis. I mean, this is
12 fairly typical, at least in my twenty years experience, and
13 I'm not sure he sees patients except reads films. And I
14 think when you take care of babies and you do lumbar
15 punctures and you count cells and you look at them over a
16 period of time, you have a great deal more sensitivity for
17 the development and the sequence of the-disease process.
18 And particularly also when you cause bacterial meningitis in
19 animals and then look at their brains and then look at the
20 brains of infants that have them.

21 Q How many patients have you seen, children have
22 you seen and treated with Group B Streptococcal meningitis?

23 A You know, that's -- it's hard to give you an

1 absolute number over the years. I mean, certainly it's the
2 predominant cause of meningitis in newborns and premature
3 infants at the present time. You know, some years perhaps
4 ten, **some** years perhaps five, some years --

5 Q Ten to five per year over what period of time,
6 Doctor?

7 A Gosh, from the period of **1976** to the late
8 eighties, you know, I would imagine it would be either
9 seeing acutely or subsequently, you know, that number of
10 children with post-meningitic encephalopathies.

11 Q **So**, about two hundred kids?

12 A No, I don't think it would be that many.

13 Q Well, you said five to ten a year for, what;
14 twenty-four, twenty-five years?

15 A No, I said from **1976** to the eighties. About ten
16 years.

17 Q I'm sorry. About ten years. I apologize.

18 A But that varies, okay. I mean, that's not
19 necessarily that I took care of them while they were in
20 their hospitalization, but you see them because these
21 children are almost invariably severely involved such as
22 this child.

23 Q More than a hundred?

1 A I don't know. I can't tell you that. Probably
2 less than a hundred.

3 Q Other types of bacterial meningitis you've seen
4 and treated, I take it, in addition to those?

5 A Yeah. The most common cause is Hemophilus
6 influenza type B, but we don't see that very often any more
7 because of the vaccine that's been produced.

8 Q And how many children like this have you seen
9 with a Group B Streptococcal meningitis or other bacterial
10 meningitis that have periods of apneic or respiratory
11 insufficiency particularly as demonstrated in Adam Meade's
12 case between thirteen and twenty minutes where they've been
13 intubated into their esophagus? How many children like that
14 have you seen?

15 A I haven't seen anybody like that.

16 Q Do you know of anybody that has?

17 A No. I mean, you'll have to ask them. I don't
18 know.

19 Q Have you seen anything or read anything in the
20 journals with regard to people who have followed patients
21 like that?

22 A Who have followed patients with Group B
23 Streptococcal meningitis?

1 Q Right. Who have had significant periods of
2 apneic or respiratory distress or insufficiency or arrest as
3 Adam Meade demonstrated at Appalachian Regional hospital?

4 MR. POE: Objection to the form of the
5 question.

6 A What kind of arrest are you talking about?

7 BY MR. THOMPSON:

8 Q A cessation of respirations for a period of
9 seconds, a period of a minute or two?

10 A Well, that's fairly common in Group B
11 Streptococcal meningitis. So, that's not uncommon.

12 Q Have you seen and treated patients such as that?

13 A Sure.

14 Q And have you seen them with significant
15 respiratory compromise where there have been thirteen to
16 twenty minutes of compromised ventilation such as Adam Meade
17 experienced, in your opinion?

18 MR. POE: Objection to the form of the
19 question.

20 A Well, not with an esophageal intubation, but
21 certainly compromised. You know, they arrest and they have
22 cardiac arrest and many of them are in profound shock and
23 remain that way no matter what you do.

1 BY MR. THOMPSON:

2 Q Any articles that you have seen with regard to
3 interpretation of computerized tomography coming to
4 conclusions that the damage as seen was caused by anoxia or
5 hypoxia?

6 A I'm sorry. Say that again.

7 Q Any articles that you have seen or reviewed
8 wherein a scientist or a group of writers, medical
9 scientists have indicated that in their interpretation of
10 computerized tomography what they are seeing and dealing
11 with is hypoxic or anoxic insults?

12 A The predominant type of hypoxic or anoxic insults
13 are in a laminar distribution in the cortical layers and
14 predominantly in the hippocampus, the temporal lobes, the
15 occipital lobes. It's not multiple infarcts, nor
16 multi-systic encephalomalacia if it's due just to hypoxia,

17 Q And you've learned that from, I take it, your own
18 experience, number one?

19 A Well, I think that's pretty common knowledge.

20 Q And who was it that taught you about that? Where
21 is that common knowledge documented, written?

22 A In textbooks of neurology, textbooks of
23 radiology.

1 Q Can you site me to any? Can you show me any?

2 A I believe the textbooks of -- and I can't tell
3 you specifically -- of Menkes, of Swaiman and Wright, of
4 Volpe.

5 Q Anybody else?

6 A Dubowitz. And, again, I'm doing this by memory.

7 You know, whether it's their articles, I can't tell you
8 particularly.

9 Q Have you formulated any opinions in this case
10 about the life expectancy of this child?

11 A I have seen the videotape and I've read Dr.
12 Bodensteiner's report and this child is severely involved.
13 And, you know, based on -- and I've not examined the child
14 and, you know, I would prefer to examine the child to
15 comment specifically on it, but based on the condition of
16 this child with severe involvement of the brain, looking at
17 the scans with hydrocephalus, recurrent seizures, a G tube
18 and tracheostomy, certainly less than two decades would be
19 consistent with that type of life expectancy.

20 Q So, your opinion -- you have formulated an
21 opinion and your opinion is this child is going to live less
22 than twenty years?

23 A Correct.

1 Q Is that twenty years from today or twenty years
2 from his birth?

3 A Twenty years from his birth.

4 Q Okay. So we're talking about: a period of not in
5 excess of fourteen years?

6 A Correct.

7 Q And I presume that's statistically?

8 A Yes, I would say certainly greater than fifty
9 percent.

10 Q Based upon any studies that you have seen other
11 than your own experience?

12 A Well, if you looked at the studies, it would
13 probably be shorter. Based on my experience, I would give
14 some latitude. I've seen some children, in this condition,
15 live up to their late teens, but beyond that you see very
16 few. I mean, I don't see them anywhere.

17 Q Well, you, as a pediatrician, wouldn't?

18 A I'm a neurologist, okay, as well as -- a
19 pediatric neurologist --

20 Q As a pediatric neurologist you wouldn't --

21 A And adult neurologist. You take care of people
22 in a vertical speciality.

23 Q Well, what's the oldest individual that you have

1 seen and treated with catastrophic substantial neurological
2 deficit like Adam Meade?

3 A Twenties at the most.

4 Q Okay. And the last time you would have seen a
5 patient of that age; late teens, early twenties, would have
6 been when, Doctor?

7 A Gosh, it could have been in the past couple of
8 years.

9 Q Okay.

10 A You mean like Adam Meade or just in their late
11 twenties with devastating brain involvement?

12 Q Like Adam Meade.

13 A Well, you know, I can't --

14 Q Whatever the age was.

15 A I can't tell you specifically from memory, no.

16 Q And basically your clinical practice which you
17 indicated to me comprises five percent of your time now?

18 A That's right.

19 Q You do what and where do you do that?

20 A I do it at the Mobile Infirmary Medical Center.

21 Q And do you have a certain time that you see
22 patients or --

23 A Yes, Monday afternoon.

1 Q And what patients do you see on Monday afternoon?

2 How do they get to you?

3 A They are referred to me.

4 Q By other physicians?

5 A Correct.

6 Q Do you hospitalize patients there?

7 A No. I do if I need to, but I rarely hospitalize
8 anybody.

9 Q When is the last time you would have hospitalized
10 a patient and followed a patient in a hospital setting?

11 A Oh, about a year and a half ago.

12 Q Okay. And that's when you left the active
13 practice of medicine to take this administrative position?

14 A Correct.

15 Q And your title is --

16 A President.

17 Q -- associate medical director?

18 A No, that was prior --

19 Q Administrator and chief operating officer?

20 A No, president of the Mobile Infirmary Medical
21 Center. Here (Indicating) is the most recent deposition
22 (sic).

23 Q I've got 1991 till present, administrator, chief

- 1 operating office, Mobile Infirmary Medical Center.
- 2 Executive vice-president, Infirmary Health Care System. Is
- 3 that true too?
- 4 A Correct.
- 5 Q What is that?
- 6 A That's the holding company.
- 7 Q Okay. Are you an owner of that company?
- 8 A No.
- 9 Q What do you do for that company?
- 10 A I run the medical center.
- 11 Q You run the --
- 12 A Medical center.
- 13 Q Okay. And it's how many beds?
- 14 A Seven hundred and four.
- 15 Q Okay. Owned by?
- 16 A The Mobile Infirmary Association.
- 17 Q Which is a nonprofit organization?
- 18 A Correct.
- 19 Q And are you an officer, director of that
- 20 institution?
- 21 A Secretary.
- 22 Q Secretary. Okay.
- 23 And who owns the stock in these corporations?

- 1 A There's no stock.
- 2 Q There is no stock?
- 3 A Correct.
- 4 Q And who owns these corporations?
- 5 A The holding company.
- 6 Q Okay. And what's the holding company's name?
- 7 A Infirmary Health System.
- 8 Q And who owns Infirmary Health System, Inc.?
- 9 A There's no stockholders. It's just a holding
- 10 company.
- 11 Q Okay. All right. And you have no interest in
- 12 that, I take it?
- 13 A No financial interest.
- 14 Q Who is your supervisor? Who do you report to, if
- 15 anybody?
- 16 A The CEO of the holding company.
- 17 Q And who is the CEO of the holding company?
- 18 A Mr. Bramlett.
- 19 Q Spell his last name.
- 20 A B-R-A-M-L-E-T-T.
- 21 Q And his office is the same as yours?
- 22 A Yes.
- 23 Q And basically your role and function as the

1 president, administrator, chief operating officer for
2 Mobile Infirmary Medical Center is -- what do you do day in
3 and day out in layman's terms?

4 A I insure that there is cost effective measurable
5 quality of care delivered to a seven hundred and four bed
6 hospital in which there is thirty-five operating rooms, five
7 intensive care units, a hundred telemetry beds,
8 medical/surgical beds, an active obstetrical and pediatric
9 hospital with a pediatric intensive care unit, an emergency
10 room that sees approximately sixty thousand visits a year.

11 Q And you took this position a year and a half ago
12 or so because why?

13 A Because I thought it would be fun to do.

14 Q Okay. And was there any particular reason why
15 you left the practice of medicine to do something more fun?

16 MR. POE: Objection to the form of the
17 question.

18 A Well, I've practiced medicine for twenty plus
19 years and I was very interested in getting involved in
20 delivering care on a larger basis and being a part of being
21 creative and innovative in a health care system, and
22 particularly in the era of difficult health care reform, I
23 think that physicians with management experience and

1 abilities have a better opportunity to control cost and
2 improve the quality of care.

3 BY MR. THOMPSON:

4 Q And do you have any plans on returning to the
5 active practice of medicine to a greater degree than five
6 percent of your time?

7 A Well, it depends. I mean, it depends on my
8 success in this endeavor.

9 Q And your success in this endeavor is measured by
10 what and by whom?

11 A It's measured by the Board and by the
12 productivity and the bottom line of the hospital, as well as
13 the perception and measurable quality of care.

14 Q Bottom line being dollars and cents?

15 A Correct.

16 Q And who's on the Board besides this Mister -- was
17 it Bramlett or --

18 A Most are people from the community of Mobile.

19 Q Okay. And how much of your time is spent in a
20 forensic setting: that is, reviewing medical/legal letters
21 like you've done for Mr. Cleek in this case?

22 A About ten percent of my time.

23 Q And how much of your income is derived from doing

1 this type of thing?

2 A Probably about the same; perhaps ten to fifteen
3 percent.

4 Q Have you ever testified under oath as to dollars
5 and cents wise how much you made in a given year, given
6 series of years from testifying in medical malpractice
7 cases?

8 A No.

9 Q You are now currently reviewing how many cases?

10 A Gosh, maybe ten to fifteen.

11 Q And who is it that manages the time, sends your
12 bills out for your medical/legal work?

13 A Me.

14 Q Do you have a secretary that does that for you?

15 A No.

16 Q Do you keep any records with regard to this,
17 including your bills and your time and the records that
18 we're talking about here that you reviewed?

19 A Well, I usually send the bill after I review
20 them.

21 Q No. Where do you keep all these records
22 concerning your review of medical/legal work, including
23 records and depositions and videotapes and x-rays and bills?

1 A Either at home or in my office.

2 Q The office address you gave me?

3 A Well, it's in the Mobile -- I have two offices.
4 One is in the hospital and one is at 1720 Springhill Avenue.

5 Q Okay. And how do you keep track or who is it
6 that keeps track of the income derived from doing this work?

7 A I do.

8 Q And you give this information that you get to
9 your accountant?

10 A Correct.

11 Q Okay. And who is that?

12 A I don't think that's really --

13 MR. CLEEK: That's none of his business.

14 Don't answer it.

15 BY MR. THOMPSON:

16 Q You've got ten to fifteen cases now? Is that
17 what you told me?

18 A Yeah.

19 Q And you started reviewing cases when? What year
20 in a medical/legal setting?

21 A Gosh, the early eighties, I think.

22 Q And back in the early eighties, the mid eighties
23 you were reviewing a lot more cases than ten or fifteen a

1 year, weren't you?

2 A You said currently now. I mean, you know, I
3 don't know how many it is on a year. I mean, it's
4 certainly less than I've done in the past because I don't
5 have the time any more.

6 Q You first started doing this when?

7 A Early eighties.

8 Q And how many cases were you reviewing in the
9 early eighties on a yearly basis roughly?

10 A In the -- I can't tell you. Not very many.
11 Several.

12 Q And when did it increase?

13 A Somewhere around the mid eighties, 1984, '85,
14 '86.

15 Q And how many were you reviewing in '84, '85 and
16 '86 a year?

17 A I guess sometimes it got up to approximately
18 fifty cases.

19 Q And how much testifying were you doing?

20 A Oh, I'd give ten to fifteen depositions a year,
21 be in court anywhere from one to five times.

22 Q And how much were you charging back then?

23 A A hundred and fifty dollars an hour.

1 Q And how much are you charging now?

2 A A hundred and seventy-five dollars an hour.

3 Q And what do you charge for deposition time?

4 A Two hundred and fifty dollars an hour.

5 Q And trial time?

6 A Fifteen hundred dollars a day.

7 Q And how many times have you testified in the last
8 two or three years? First of all, at trial?

9 A Perhaps twice last year. In trial and by
10 deposition, you know, maybe ten times.

11 Q And most of your work is done for the defense, is
12 it not?

13 A That's right.

14 Q And most of this work germinated or started **or**
15 the increase in your work occurred at or about the time that
16 you were invited to give a lecture to St. Paul Fire and
17 Marine people in St. Paul, Minneapolis, including their
18 attorneys?

19 A No, prior to that.

20 Q Prior to that?

21 A (Witness nods head affirmatively.)

22 Q But that guest appearance, that invitation to
23 **come** and speak to them and their attorneys occurred when?

1 A Gosh, time goes by. Four or five years ago.
2 Late eighties.

3 Q Have you given any other similar talks to the
4 defense industry, St. Paul Fire and Marine, any other
5 liability insurance carrier?

6 MR. POE: Objection to the question. The
7 form of the question. Reference to insurance.

8 BY MR. THOMPSON:

9 Q Or any other defense groups, including defense
10 lawyers?

11 A Well, I gave a talk to the -- I think the Florida
12 bar, defense bar by invitation just a few years ago.

13 Q Mr. Conrad invite you?

14 A No, I can't remember who, but somebody from -- I
15 think he was the current president. I don't recall who it
16 was.

17 Q Okay. Was there a time when you were reviewing
18 all of St. Paul's cases involving obstetrical cases,
19 brain-damaged children?

20 A I don't think anybody would have the time to do
21 that.

22 Q You weren't doing that?

23 A No, certainly not all of their cases.

1 Q A substantial number **of** their cases?

2 A Well, you know, I don't -- you know, there's -- I
3 think that they're -- you know, they're twenty thousand
4 cases pending against children, so, I mean --

5 Q If we wanted to find out whether or not ten
6 percent of your income or fifty percent of your income **or**
7 one percent of your income was derived as a result of
8 medical/legal work at any given time, how would we **do** that,
9 Dr. Chalhub?

10 A You would have to know what my total income was,
11 which I don't think is any of your business.

12 MR. CLEEK: And you're not going to answer
13 that. **So**, don't even respond to it.

14 BY MR. THOMPSON:

15 Q And with regard to -- whether or not it's any of
16 my business, with regard to determining what percentage was
17 derived as a result of doing this type of work in this
18 setting for a defendant like Dr. Pajarillo and his insurance
19 carrier, could we break that out?

20 MR. POE: Objection in regard to the
21 reference to liability insurance and I would like a
22 continuing objection when you mention that.

23 MR. CLEEK: Same objection. This is just

1 getting to the point of harassment. We all know that,
2 so he's **not** going to answer any more questions after he
3 finishes this line.

4 A I'm not sure what the question was.

5 BY **MR. THOMPSON:**

6 Q Could we find out from those records, wherever
7 those records are, how much was derived from testimony?

8 **MR. CLEEK:** Actually you're going to take his
9 word for it. You're not going to get any records.

10 A I don't know to be honest with you.

11 BY **MR. THOMPSON:**

12 Q Just don't know?

13 A (Witness nods head affirmatively.)

14 Q Okay. Do you get 1099's from lawyers, from
15 insurance companies concerning amounts of payment they made
16 to you over a particular year?

17 A I get lots of 1099's on patient treatment,
18 insurance companies, you know, and I don't, you know, sort
19 those out.

20 Q You don't keep separate records?

21 You haven't kept separate records with regard to
22 this aspect of your earnings as opposed to the delivery of
23 health care services to children and to hospitals?

1 A At certain times and then certain times not.

2 Q What times did you and what time didn't you?

3 A I can't tell you over the years.

4 Q Okay. Do you still maintain those records
5 yourself from 1980 on?

6 A Oh, no. I mean, you know, the -- I don't think
7 you're required to more than three years. So --

8 Q The records that you would have, accounting
9 records only go back three years?

10 A No, I mean, I don't even keep the 1099 forms. My
11 accountant says I don't have to.

12 Q But any accounting records, tax returns, the data
13 that you would have given to accountants with regard to your
14 earnings during a given year, they only go back two or three
15 years?

16 A Three years, yes.

17 Q Have you ever been sued?

18 A No.

19 Q Have you ever testified in a medical malpractice
20 case involving one similar to Adam Meade and rendered
21 opinions as to causation of damage; that is, that it was
22 ischemic secondary to meningitis as opposed to a hypoxic
23 episode?

1 A I can't tell you that specifically. I've
2 certainly reviewed and testified in a number of cases
3 involving meningitis because that's been an area of my
4 special interest and speciality in research. So, you know,
5 whether it specifically dealt with hypoxia or ischemia or
6 secondary -- you know, I can't tell you specifically. I
7 mean, it's clear when you have an ischemic lesion and you
8 understand the pathophysiology of the basic process, what
9 it's due to. I mean -- and if one has expertise in that
10 area and one studies meningitis and studies it for twenty
11 years, I mean, that's what you see. I mean, this is not a
12 hypoxic lesion. This is an ischemic lesion and it's due to
13 involvement secondary to meningitis. There is no question
14 about that.

15 Q And that's your opinion?

16 A It's not only my opinion. I mean, the facts and
17 the x-rays will substantiate that.

18 Q And **do** you have any other opinions other than
19 that one in this case as to causation?

20 A Other than the ones that I've given you for the
21 past couple of hours?

22 Q Other than the ones you've given me?

23 A **No.**

1 Q And you don't believe in this particular case
2 that the hypoxic episode between, as you have opined, 9:47
3 and 10:00 or 10:05 had one iota thing to do with the
4 neurologic deficit of this child: Adam Meade?

5 A **No.** It really doesn't make any sense, does it?
6 When you have a child whose stomach is not dilated, his
7 blood gases respond quickly, whose scan is inconsistent, who
8 has three or four days of hypoxia at another hospital, why
9 are you picking out a twenty minute period of time and
10 trying to relate all of this child's injuries when he has a
11 devastating Group B Streptococcal infection which causes
12 this type of severe injury in children and often results in
13 their death? I mean, I don't understand.

14 Q What if that endotracheal tube was in the
15 esophagus **for** a longer period of time than thirteen to
16 twenty minutes?

17 A It does not substantiate -- by the clinical facts
18 that child would have had a cardiac arrest because the heart
19 would have been hypoxic and would have stopped or had severe
20 bradycardia and had to be resuscitated and then you would
21 have seen a, you know, a different set of circumstances, but
22 that didn't occur.

23 Q Assuming that endotracheal tube went into the

1 esophagus at about 9:15 and remained there, how long would
2 it have been before that child, in your opinion, **Dr.**
3 Chalhub, had had a cardiac arrest?

4 A Well, you know, certainly the longer it is --
5 when you got past, you know, ten, fifteen, twenty minutes,
6 you're going to have significant bradycardia if you're not
7 oxygenating the heart. You cannot withstand it any longer.

8 If you had a pH below seven for minutes thereafter, you
9 cannot sustain a normal heart rate. Then you go into shock
10 and you go into cardiac arrest.

11 Q Your opinion is he would have had it about, what;
12 9:30, 9:45, somewhere in there, a cardiac arrest if the tube
13 was placed in the esophagus at 9:15?

14 A Certainly before 9:47, yes.

15 Q Okay. The first diagnosis of the Group B
16 Streptococcal meningitis and antimicrobial or medical
17 treatment for it occurred when and where in this baby's
18 case?

19 A At Cabell.

20 Q And how was the diagnosis made?

21 A By lumbar puncture.

22 Q Should it have been made sooner?

23 A You know, I didn't, again, didn't look at it from

1 that aspect.

2 Q Don't --

3 A But, you know, this child was critically ill and
4 had to be resuscitated. I mean, you know -- and the child
5 was there for a short period of time at the previous
6 hospital. You know, the child received antibiotics.

7 Q Was critically ill when and where?

8 A At Appalachian Regional.

9 Q And was there for a short period of time?

10 A Yes.

11 Q How long a short period of time, in your opinion?

12 A 8:45 to noon.

13 Q And the diagnosis of Group B Strep was made on
14 lumbar puncture, correct?

15 A Correct.

16 Q Do you know what the mortality and morbidity is
17 either in your own experience or in the literature for Group
18 B Streptococcal meningitis?

19 A Well, it depends on the presentation. A child
20 that presents with sepsis, poor perfusion and meningitis,
21 the overall percentage is probably twenty percent. In this
22 particular child with this clinical presentation it will
23 probably exceed fifty percent to sixty percent.

1 Q Was this child septic on arrival at **8:45** at
2 Cabell Huntington?

3 A Yes, absolutely.

4 Q And you know that how?

5 A By the clinical presentation.

6 MR. CLEEK: I believe he said **8:45** at Cabell
7 Huntington.

8 BY MR. THOMPSON:

9 Q Excuse me.

10 A Oh, I'm sorry.

11 Q Appalachian Regional

12 No, I think he's accusing me or misrepresenting
13 the facts.

14 A You wouldn't do that, would you?

15 MR. CLEEK: I would accuse you of that, but I
16 think that time you just misspoke because of old age or
17 senility **or** something.

18 BY MR. THOMPSON:

19 Q And time, and time, and you're waving your hand
20 at me.

21 At **8:45** at Appalachian Regional Hospital in South
22 Williamson, Kentucky, this baby was septic as evidenced by
23 the symptomatology?

- 1 A Sure. In retrospect, absolutely.
- 2 Can we take a one minute break or two minute
- 3 break?
- 4 Q Sure. Sure. Anytime you want to.
- 5 (Short break)
- 6 BY MR. THOMPSON:
- 7 Q On admission, I believe at Appalachian Regional
- 8 Hospital as I stood corrected, this child, in your opinion,
- 9 based upon **your** review of the records, was septic?
- 10 A Correct.
- 11 Q Septic meaning?
- 12 A Blood and/or bacterial products. I mean,
- 13 bacteria and/or its products in the blood.
- 14 Q The child have a temperature?
- 15 A It wasn't taken.
- 16 Q Vital signs other than a pulse of one fifty-one
- 17 were what?
- 18 A They weren't taken.
- 19 Q The evidence on admission at Appalachian Regional
- 20 Hospital **on** July 6th, **1986** of poor perfusion was obtained
- 21 from what source? The records?
- 22 A The records and **I** think some testimony and I
- 23 can't tell you exactly when and where.

1 Q Okay. And the records --

2 A Certainly there is poor circulation and -- I

3 mean, poor capillary refill described by the time the child

4 gets to Cabell.

5 Q Well, that's four and a half, five hours later?

6 A Yeah. Well, I mean --

7 Q On admission to Appalachian Regional Hospital,

8 was there evidence of, quote, poor perfusion, and, if so,

9 where was that evidence or where is it contained?

10 A Oh, I don't know whether it's specifically

11 referred to in those records, so I can't tell you that.

12 Q Do you know as you sit here now whether or not

13 anywhere in the Appalachian Regional records there's any

14 evidence of poor perfusion in Adam Meade at or around 8:45

15 on July 6th, 1986?

16 A You know, I can't really tell you because I can't

17 read all of the progress notes with the writings, so I don't

18 know.

19 Q Well, you had depositions, didn't you?

20 A Well, yeah, but it doesn't translate all of the

21 progress notes.

22 Q Whose progress notes are you looking at?

23 A Well, some of them -- the signatures are -- in

1 fact, the majority of them are illegible.

2 Q Well, you know who wrote all those progress notes,
3 don't you?

4 A Dr. Pareia (Phonetic).

5 Q Who's Dr. Pareia? Sounds like a disease.
6 A Pediatrician.

7 Q Okay. That's Pajarillo, the one that asked you
8 to --

9 A Pajarillo, yeah. I'm sorry.

10 Q -- review these records.

11 A I'm sorry.

12 Q He wrote them all, didn't he?

13 A Yes.

14 Q You don't know him and haven't talked to him?

15 A No. That's correct.

16 Q Do you know any of the physicians in this case?

17 A That is correct.

18 Q Do you know any of the physicians in this case?

19 A No, I said no.

20 Q Okay. And you haven't spoken to any of them?

21 A No, no. Wait a minute. In the record it states
22 that there is no capillary refill, which would certainly
23 indicate poor perfusion.

1 Q And you're looking at?

2 A It's a stabilization note at 11:15.

3 Q And who wrote that note at 11:15?

4 A Well, I guess this is -- I'm sorry. This is the

5 transfer note.

6 Q May I see what you're looking at so I'll know

7 what it is before we get too . . .

8 (Document handed to Mr. Thompson.)

9 BY MR. THOMPSON:

10 Q Okay.

11 A That's the transfer note.

12 Q This transfer note by Karen White?

13 A Right.

14 Q Did you read her deposition?

15 A If it's on there, I did.

16 Q I don't -- Karen Wright; do you remember reading

17 it?

18 A (Witness nods head affirmatively.)

19 Q Okay. Well, there's evidence of poor perfusion

20 at 11:15?

21 A Correct.

22 Q By Karen Wright, correct?

23 A Correct.

1 Q There is no evidence of poor perfusion at or
2 around the time of this child's admission at Appalachian
3 Regional Hospital about **8:45** in the morning?

4 A No, there's no record of it, that's correct.

5 Q Okay. 'Doyou think the child had poor perfusion
6 though?

7 A I think the child was septic. I can't tell you
8 though. It just wasn't described.

9 MR. THOMPSON: Okay. That's all I've got.
10 Thank you, sir. Other than those other questions that
11 I may have to ask that you wouldn't let him ask --
12 answer.

13 MR. OFFUTT: I have no questions.

14 MR. ADKINS: No questions.

15 MR. POE: No questions.

16 MR. McNEER: I have no questions.

17 MR. ROSINSKY: Well, I want to ask a few
18 follow-up questions just to make sure I understand.

19 EXAMINATION

20 BY MR. ROSINSKY:

21 Q You had an opportunity, Doctor -- by the way, I
22 represent the hospital. I'm Tim Rosinsky. Cabell
23 Huntington Hospital.

1 You've had an opportunity to review the records
2 of both of Adam Meade's hospitalizations at Cabell
3 Huntington; is that correct?

4 A Yes.

5 Q And you have no opinion as to whether the Strep B
6 or the Group B Streptococcal meningitis should have been
7 diagnosed earlier at Cabell; is that correct?

8 A That's correct,

9 Q Do you have any criticism of the care and
10 treatment of Adam Meade at Cabell Huntington Hospital?

11 A No.

12 Q Or any of its physicians?

13 A No.

14 MR. ROSINSKY: Thank you, Doctor. I have no
15 further questions.

16 MS. LILLY: Nothing.

17 MR. CLEEK: No questions. He'll read.

18 THE WITNESS: We'll read and sign, yes.

19 FURTHER, DEPONENT SAYETH NOT

20

21

22

23

1

2

CERTIFICATE OF WITNESS

3

4

5

6

7

8

9

I, ELIAS GEORGE CHALHUB, M.D., do hereby certify
that on this the ____ day of _____, 1993, I have read
the foregoing transcript and, with corrections attached
hereto, if any, it constitutes a true and accurate
transcript of my testimony taken on oral examination on
February 2, 1993.

10

11

12

13

14

15

ELIAS GEORGE CHALHUB, M.D.

16

17

18

19

20

21

22

23

Subscribed and sworn to before
me this the ____ day of _____, 1993.

Notary Public, State of _____
at Large

My Commission Expires: _____

1

2

C E R T I F I C A T E

3

4 STATE OF ALABAMA)

5 COUNTY OF MOBILE)

6

7 I do hereby certify that the above and foregoing
8 transcript of proceedings in the matter aforementioned was
9 taken down by me in machine shorthand, and the questions and
10 answers thereto were reduced to writing under my personal
11 supervision, and that the foregoing represents a true and
12 correct transcript of the proceedings given by said witness
13 upon said hearing.

14 I further certify that I am neither of counsel nor of
15 kin to the parties to the action, nor am I in anywise
16 interested in the result of said cause.

17

18

19

20

21

LISA ELMORE PETERS
COURT REPORTER

22

23

4-261> Estate of Ashley Carr

DEPOSITION OF ELIAS CHALUB, M.D.
[Adam Wesley Meade]

TAKEN ON February 2, 1993
by William deForest Thompson, ESQ.

Pg / Ln

9/20 About 5% of time **is** spent practicing child neurology; other
94% of **time is** spent as President of Mobile Infirmary

42/3 Acidosis: combination of Group B Strep and esophageal
intubation

101/11-13 Mostly testifies for defense

ELIAS CHALHUB, M.D. - Deposition Index
Meade vs. Cabell

Nothing of use for us except some background information.