

* * * * *
 PHYLLIS MORAN AND CLARENCE
 MORAN, individually and as
 parents and next friends of
 Jason Moran, a minor,

 Plaintiffs,

 VS .

 COLUMBIA HOSPITAL FOR WOMEN,
 et al.,

 Defendants.

CIVIL ACTION NUMBER
92-03476
Calendar 8, Judge Shuker

The testimony of ELIAS CHALHUB, M.D., taken by telephone at the Mobile Infirmary Medical Center, 5 Mobile Infirmary Circle, Mobile, Alabama, on the 3rd day of February, 1993, commencing at approximately 2:00 o'clock, p.m.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

A P P E A R A N C E S
(BY TELEPHONE)

FOR THE PLAINTIFF: JACK H. OLENDER & ASSOCIATES, P.C.
ATTORNEYS AT LAW
1634 EYE STREET, NORTHWEST
11TH FLOOR
WASHINGTON, D.C. 20006

BY: HARLOW CASE, ESQ.

FOR THE DEFENDANT - WILLIAMS & CONNOLLY
COLUMBIA HOSPITAL ATTORNEYS AT LAW
FOR WOMEN: 725 TWELFTH STREET, NORTHWEST
WASHINGTON, D.C. 20005

BY: JEREMIAH C. COLLINS, ESQ.

FOR THE DEFENDANT - GLEASON & FLYNN
DR. MARC JEROME: ATTORNEYS AT LAW
2275 RESEARCH BOULEVARD
SUITE 220
ROCKVILLE, MARYLAND 20850

BY: JAMES P. GLEASON, JR., ESQ.

ANGELIA JONES COXE
COURT REPORTER

1	I N D E X	
2		
3	Examination:	Page :
4	By Mr. Case	05
5		
6		
7		
8		
9		
10		
11		
12	Exhibits :	Page:
13	(None)	
14		
15		
16		
17		
18		
19		
20		
21		
22		
23		

1
2
3
4
5
6 I, Angelia Jones Coxe, Commissioner and Court
7 Reporter, certify that on this date, as provided by the
8 District of Columbia Rules of Civil Procedure, there came
9 before me at the Mobile Infirmary Medical Center, 5 Mobile
10 Infirmary Circle, Mobile, Alabama, on the 3rd day of
11 February, 1993, commencing at 2:00 o'clock, p.m., ELIAS
12 CHALHUB, M.D., witness in the above cause, for oral
13 examination, whereupon the following proceedings were had:
14
15
16
17
18
19
20
21
22
23

1 ELIAS G. CHALHUB, M.D.,

2 the witness, after having first been duly sworn
3 to tell the truth, the whole truth, and nothing but the
4 truth, was examined and testified as follows:

5 EXAMINATION

6 BY MR. CASE:

7 Q Doctor, for the record, would you please give us
8 your full name?

9 A Elias George Chalhub.

10 Q Do you currently reside at 3970 Pinebrook Drive
11 in South Mobile, Alabama?

12 A In Mobile, Alabama, yes.

13 Q All right. Have you given a deposition since May
14 of 1992, which I believe is the last time that I took your
15 deposition in the Merriwether case, M-E-R-R-I-W-E-T-H-E-R?

16 A Yes.

17 Q On how many occasions?

18 A Two or three times, perhaps more. I can't
19 remember. Since last May?

20 Q Yes. In the last eight months, approximately.

21 A Last eight months, I guess perhaps five to seven
22 times.

23 Q In those five to seven times, were you testifying

1 on behalf of a doctor or a hospital in a medical negligence
2 case?

3 A Yes.

4 Q Did each of those cases involve the issue that I
5 understand you're going to testify about in this case, that
6 is, causation of a -- injury in a newborn?

7 A No, I can't tell you that because I can't
8 remember each individual case. I don't know the answer to
9 that.

10 Q All right. Let me ask a broader question this
11 way. Have you ever, in your professional career, testified
12 that perinatal asphyxia or trauma was the cause of a child's
13 neurologic deficits?

14 A Sure.

15 Q On how many occasions?

16 A At least twice, perhaps more.

17 Q And do you remember those occasions?

18 A They were a number of years ago. I think there
19 was a case involving a baby that had multiple fractures of
20 the skull, from forceps and another baby that had persistent
21 fetal circulation.

22 Q Do you know where those cases were brought?

23 A I can't remember, I believe one was in

1 California and one was in Alabama or Arkansas.

2 Q In the last five years, have you ever testified
3 in a case that perinatal asphyxia was a cause of a child's
4 neurologic deficits in a medical malpractice case?

5 A Yes.

6 Q One of those was the California or Arkansas case
7 that you just mentioned?

8 A No. There have been multiple cases like that.

9 Q Let me ask it this way. I thought that your
10 answer to my question originally was that you have testified
11 in two, maybe more, cases where you offered the opinion that
12 perinatal asphyxia was the cause of a child's neurological
13 deficits.

14 A Well, I assumed you were talking about for a
15 plaintiff. That was at that time. But I've testified for
16 defendants on multiple occasions that perinatal asphyxia
17 caused the child's problem,

18 Q Would that be prior to the labor?

19 A Well, certainly. That's the definition of
20 perinatal.

21 Q Is prior to labor?

22 A Oh, absolutely. That's what -- the World Health
23 Organization's definition.

Q All right. Give me the World Health
2 Organization's definition of perinatal.

3 A It's from twenty-eight weeks of gestation to
4 seven days postnatally. That's in any standard neonatology
5 textbook.

6 Q Right. And I believe my last question was, do
7 you remember testifying -- in those defense cases were you
8 testifying that the injury was sustained prior to labor?

9 A Well, you know, I can't tell you every case, you
10 know, Mr. Case. You know, there's no way to do that. I
11 don't know.

12 Q Can you give me an example of a defense case
13 where you were offering testimony that perinatal asphyxia
14 was the cause of the child's deficits during the labor
15 period?

16 A Sure. A ruptured uterus or abruption of the
17 placenta or intrauterine group B streptococcal sepsis.

18 Q What caused Jason Moran's problems?

19 A Some insult that occurred approximately thirty to
20 thirty-five weeks of gestation or perhaps a developmental
21 abnormality.

22 Q What happened at about thirty to thirty-five
23 weeks of gestation?

1 A Well, there was probably some insult to the
2 internal capsule basal ganglia area of his brain.

3 Q And tell me every reason why you believe that.

4 A Well, it's every reason in the chart; that he was
5 a full-term infant, that his Apgars were normal, that his
6 cord gases were normal, he had no multisystemic organ
7 involvement, no evidence **of** cerebral edema, he's normal
8 cognitively, he had no seizures, and he was only in the
9 hospital four days.

10 Q And those things that you have just itemized lead
11 you to conclude that this child suffered an insult at thirty
12 to thirty-five weeks gestational age?

13 A Yes. I also might add that he has a normal MRI
14 scan.

15 Q What kind of insult did this boy sustain at
16 thirty to thirty-five weeks?

17 A Well, you know, there's no way to go back into
18 the uterus at the time. But based on statistics in other
19 children in this situation, it would be, you know, some type
20 of either lack of oxygen or blood flow, infection, or
21 developmental abnormality in the region of the internal
22 capsule basal ganglia.

23 Q **Slow** down, Doctor.

1 MR. CASE: Madam court reporter, maybe you
2 can repeat that. Infections, then what?

3 A Lack of oxygen or blood flow or developmental
4 abnormality in the region of --

5 MR. CASE:

6 Q Doctor, please.

7 A In the region of the internal capsule basal
8 ganglia.

9 Q So essentially we're dealing with infections or
10 lack of oxygen during that period?

11 A Or developmental abnormality.

12 Q Okay. What type of infections might cause the
13 insult that caused this spastic diplegia in Jason Moran at
14 thirty to thirty-five weeks gestational age?

15 A The most likely probability would be viral, but
16 certainly could be mycoplasma or bacterial.

17 Q What type of viral infection?

18 A Adenovirus, Coxsackie, echo.

19 Q **Slow** down, please, Doctor. Can you give those to
20 me again?

21 A Adenovirus, Coxsackie, echo, influenza,
22 parainfluenza.

23 Q What is an adenovirus?

1 A It's just an adenovirus. It's a virus which will
2 cause different symptoms at different individuals, depending
3 on their age, sex, and exposure.

4 Q How does the symptomatology vary according to
5 sex?

6 A Well, males, in certain infections, particularly
7 viral infections, are more severely involved than females at
8 certain ages, such as newborns. And particularly with
9 premature infants, males seem to be more severely involved
10 than females or more frequently involved with infections.

11 Q How about female adults about thirty years of age
12 by adenovirus?

13 A Well, it depends on what her immune status is and
14 what the remainder of her health is.

15 Q Assume a normal immune status.

16 A Well, she could have anywhere from a cold to an
17 encephalitis to pneumonia to a myositis.

18 Q What's myositis?

19 A Inflammation of the muscles.

20 Q Are you aware of any evidence of encephalitis or
21 cold or pneumonia or myositis in Mrs. Moran during the
22 thirty to thirty-five-week period of her gestation?

23 A **No.** I didn't imply that, either.

- 1 Q I didn't suggest you did, Doctor.
- 2 That's something that -- well, strike that.
- 3 Is it safe to say then that, in your opinion,
- 4 adenovirus is not the bug that, in your opinion, hurt Jason
- 5 Moran at the thirty to thirty-fifth week of gestational age?
- 6 A I mean, I don't know. I didn't say yes or no.
- 7 Q So you don't know whether that one caused his
- 8 injury?
- 9 A That's correct.
- 10 Q Now, what is Cocksackie?
- 11 A It's an enterovirus.
- 12 Q E-N-T-E-R-A-L?
- 13 A E-N-T-E-R-O. I think it is an RNA virus that was
- 14 discovered in the 1950's during the polio epidemic in
- 15 Cocksackie, New York.
- 16 Q And how does that cause damage to an infant,
- 17 let's say like Jason, at the thirty to thirty-fifth week of
- 18 gestation?
- 19 A By inflammation.
- 20 Q And does it manifest its infectious process in
- 21 the mother?
- 22 A The majority of the time, no.
- 23 Q Okay. And why not?

1 A You know, I don't know the answer to that. The
2 vast majority of intrauterine infections are asymptomatic in
3 mothers, certainly greater than ninety percent. We don't --
4 you know, ~~re~~ don't -- there are just different mechanisms
5 for different viruses.

6 Q How does one diagnose the presence of Cocksackie?

7 A Well, if you had any symptoms in the mother or
8 any indication after birth, then one might obtain antibody
9 studies, culture the child or the mother, and you'd have
10 about a forty percent chance of recovering the agent.

11 Q What type of antibody studies?

12 A Excuse me?

13 Q What type of antibody studies?

14 A Either neutralizing antibodies, hemagglutinin
15 inhibition antibodies, depending on which test you ordered.

16 Q What types of symptoms in the mother might lead
17 you to request such a study?

18 A Well, if you had suspected -- you know, if she
19 had an obvious cold or URI, or muscle soreness or headache,
20 that might suspect you. But as I told you, that doesn't
21 happen very often.

22 Q And it's your testimony that that happens less
23 than ten percent of the time with Cocksackie infection?

1 A Yes.

2 Q You suggested that maybe the condition of the
3 infant might cause you to perform a culture. What about the
4 condition of the infant might cause you to perform a
5 culture?

6 A We'll, I mean, it depends on the gestation and
7 the symptoms and the presenting features.

8 Q What about the gestation? What might lead you to
9 perform a culture?

10 A Well, a number **of** premature infants are born as a
11 result of infection. If the infant had -- well, that's in
12 terms of relating to gestation.

13 Q **How** premature does the infant have to be in order
14 for you to want to culture for Cocksackie?

15 A Oh, I didn't say for Cocksackie. You said for
16 infection in general. I mean, I'm kind of -- you're kind of
17 mixing apples and oranges and not very specific with your
18 questions, so I didn't imply that, either.

19 Q **All** right. What does -- does Cocksackie cause
20 premature rupture of the membranes or something?

21 A Well, it causes premature delivery and the
22 majority of the time the membranes rupture prematurely.

23 Q Why does it cause premature delivery?

- 1 A Why? I don't know.
- 2 Q Does it always cause premature delivery?
- 3 A Does it always? No.
- 4 Q In what percentage of cases does it cause
5 premature delivery?
- 6 A I don't know the answer to that.
- 7 Q Is that what caused Jason's problem in this case,
8 Cocksackie infection?
- 9 A No. I -- and I mean, I don't know. But I doubt
10 it.
- 11 Q Why do you doubt it?
- 12 A Because it's unusual.
- 13 Q How unusual?
- 14 A It's a possibility, not a probability.
- 15 Q You can't be any more specific?
- 16 A No.
- 17 Q How many infants born in this country are born
18 with a Cocksackie infection?
- 19 A I don't think anybody knows the answer to that,
20 Mr. Case, because nobody cultures all infants.
- 21 Q And is it safe to say it's less than one percent?
- 22 A I don't know. I'm not sure how you could arrive
23 at real statistics.

1 Q How would influenza cause viral infection at
2 thirty to thirty-five weeks that might cause the infant to
3 be damaged neurologically?

4 A Well, the mother would get the infection, the
5 infection would go to the infant, and it might manifest
6 itself in motor problems or interruption of organizational
7 problems of brain, or in terms of vasculitis.

8 Q Any evidence of that in this case?

9 A Oh, you have motor problems. I mean, you have a
10 spastic diplegia or spastic quadriplegia with greater
11 involvement in the lower extremities with normal cognitive
12 involvement. **So** yes, you do.

13 Q So do you think influenza did it in this case?

14 A I have no way to know. I mean, there's just no
15 way to be certain.

16 Q Well, assume for me that the mother didn't
17 experience any signs of influenza during the thirty to
18 thirty-fifth week. Can you rule it out then?

19 A No. I've told you that greater than ninety
20 percent of women are asymptomatic for the majority of viral
21 infections. **So**, no, that doesn't rule it out.

22 Q **All** right. So more than ninety percent of the
23 people who have influenza just don't show the signs of it?

1 A No. The babies don't show the signs of it. And
2 the mothers, you know, who may have a silent infection, may
3 or may not show evidence in their baby.

4 Q Is it your opinion that influenza is somehow the
5 cause of his problem in this case?

6 A The probability is no. But again, you know, I
7 don't know.

8 Q What is the parainfluenza?

9 A A parainfluenza is -- there are types one, two,
10 three and four. It is a respiratory virus, it's an RNA
11 virus, that will cause multiple types of presentations of
12 infections in different situations and different ages.

13 Q What type of different presentations at different
14 ages?

15 A Pneumonia, pharyngitis, laryngotracheobronchitis.

16 Q Are you talking in the newborn or in the mother?

17 A You just asked me in general. I mean, I was
18 giving you the types of infections it causes.

19 Q Are you talking in the newborn or the mother?

20 A Which do you want?

21 Q Either, Both.

22 A Well, it's going to vary.

23 Q Well, let's start with the mother.

1 A Pneumonia, sore throat, myositis,
2 laryngotracheobronchitis, bronchitis, possible central
3 nervous system involvement.

4 Q And how about in the newborn?

5 A It can -- well, you know, we don't know the
6 complete presentation. If it was cultured, you can
7 oftentimes have an infant that may have microcephaly, that
8 may be delayed, may have a motor deficit, may have some
9 congenital abnormalities.

10 Q If the child were infected with this virus during
11 the thirty to thirty-fifth week, would they still have the
12 bug when they are born?

13 A It may or may not.

14 Q What does that depend on?

15 A It depends on the virus, the host, and the immune
16 response.

17 Q **How** does the mycoplasma cause the neurologic
18 damage in an infant?

19 A It can cause it by inflammation, by a meningitis,
20 by a vascular compromise as a result of that is generally
21 the way. It's -- I'm not sure we actually understand the
22 total pathophysiology of mycoplasma. It also is a higher
23 incidence in causing premature deliveries and miscarriages.

1 Q Are you saying that you don't think mycoplasma is
2 the probable cause of Jason's neurologic deficits in this
3 case?

4 A No, I don't.

5 Q What type of bacterial infections might cause
6 this, assuming they were sustained at the thirty to
7 thirty-fifth week of gestation?

8 A You know, there's just a whole host of bacteria
9 that, if infected at that time, and -- you know, could cause
10 them. Gram negative, gram positive organisms.

11 Q Did you see any evidence of that in this case?

12 A Do I see any evidence of that?

13 Q Do you see any evidence of that?

14 A Well, you see the end result of having an infant
15 that had -- probably has a lack of oxygen or blood flow in
16 the region of the intracapsular basal ganglia. The etiology
17 is not clear because one cannot go back and mark that. But
18 **you** clearly know that this is not an intrapartum or event
19 around the birth and delivery process.

20 Q Is it fair to say that until the membranes
21 rupture an infant is usually well protected from microbes?

22 A No.

23 Q That's not fair to say?

1 A No. That's not fair to say.

2 Q I assume you disagree with Dr. Avery's book,
3 Diseases of the Newborn, where, on page seven thirty, he
4 says, throughout pregnancy and until the membranes rupture
5 the infant is usually well protected from microbes?

6 MR. GLEASON: What edition are you reading
7 from, Harlow?

8 MR. CASE: Fifth.

9 THE WITNESS: Which edition and what page?

10 MR. CASE: Seven thirty.

11 THE WITNESS: Well, I don't have that edition
12 with me. I don't think I can answer that without
13 looking at it, Mr. Case.

14 MR. CASE:

15 Q Well, what's wrong with the statement that Dr.
16 Avery makes --

17 A Well, *of* course --

18 Q -- that throughout pregnancy and until the
19 membranes rupture the infant is usually well protected from
20 microbes?

21 A There's nothing wrong with the statement, but
22 it's out of context. And, you know, I don't like to read
23 things --

1 Q Tell me why you disagreed with Dr. Avery's
2 statement.

3 A I don't disagree with it. I don't have an
4 opinion without reading it totally in context. If you want
5 to, you know, send it to me or ask it to me at a later time
6 I'm happy to do that.

7 Q My understanding was that you disagreed with that
8 statement when I first asked it, Doctor.

9 A No.

10 Q Now I want to know why you disagreed with it when
11 I first asked it.

12 A No, I don't dis --

13 MR. GLEASON: He told you, Harlow, that he
14 can't respond to the specific quote that you have
15 given.

16 MR. CASE: Well, with that answer, he knew
17 that Dr. Avery said it.

18 THE WITNESS: First of all did Dr. Avery
19 write the chapter or is it from Dr. Avery's book?

20 MR. CASE:

21 Q Doctor, when were you first contacted about this?

22 A October the 8th, 1992.

23 Q Who contacted you?

1 A You know, I don't recall whether it was Mr.
2 Gleason or a member of his office.

3 Q Was that the second time that his office had
4 contacted you?

5 A Yes.

6 Q The Merriwether case and this case, you've ever
7 been contacted by Mr. Gleason's office? (Sic.)

8 A I believe he's contacted me on one other case.

9 Q When was that?

10 A I don't -- sometime last year.

11 Q Did you agree to become involved in that case?

12 A I don't believe so, I think I reviewed that case
13 and we discussed it.

14 Q What did you discuss about that case?

15 A Well, I don't remember the details of the case,
16 to be honest with you. And I don't know what status it's
17 in, so I'm not sure I would be appropriate to discuss that
18 without Mr. Gleason's permission.

19 Q Did you agree to become involved in that case as
20 an expert?

21 A **No**, I don't believe so.

22 Q Did you agree not to become involved as an
23 expert?

1 A I think that that's still in discussion.

2 Q Why is it still in discussion?

3 A Well, you will have to ask Mr. Gleason.

4 Q He's not being deposed here, Doctor. You are.
5 Can you tell me why?

6 A Well, I understand that. I can't remember why,
7 you know, so I can't tell you.

8 Q Why did Jason Moran have a one-minute Apgar of
9 one?

10 A Why? I -- I don't know. I suspect that he was
11 obviously depressed at one minute, which is not unusual,
12 really doesn't correlate with any long-term problems. But I
13 don't know the reason.

14 Q What's the mortality rate for infants who have a
15 one-minute Apgar of zero to three?

16 A A one-minute Apgar of zero to three?
17 Probably very low. I don't think we -- I'd say less than
18 one percent.

19 Q And can you direct me to any scientific
20 literature that supports your statement that the one-minute
21 Apgar of zero to three correlates to a mortality rate of
22 less than one percent?

23 A Well, that would be certainly my twenty years of

1 experience, and I think most writings in neonatology would
2 support that. You know, it's obviously dependent on what
3 the remainder of the circumstances are, what's the
4 five-minute, the ten-minute Apgar, what's the condition of
5 the infant, what's the cord gas, what's the evidence of
6 other organ involvement, what's the nature of the neurologic
7 deficit. All those would obviously play a factor.

8 Q I assume you would disagree with the statement
9 that an infant with a zero to three score at one minute have
10 a mortality rate of five to ten percent?

11 A Well, if there's no further information, I would
12 disagree with that.

13 Q And why?

14 A Well, you know, there -- a number of infants have
15 Apgars of less than three at one minute and, in fact, the
16 vast, vast majority are absolutely normal.

17 Q I wasn't suggesting that the vast majority were
18 abnormal. I want to know why you disagree with the notion
19 that infants with a zero to three score at one minute have a
20 mortality rate of five to ten percent.

21 MR. GLEASON: Mortality?

22 MR. CASE: Mortality. They die.

23 A Well, first of all, that wouldn't be my

1 experience. That's not my understanding of the literature,
2 and that's certainly not what I have been trained or have
3 knowledge in. So I'd -- you know, I'd say it's certainly
4 inconsistent with my learning.

5 MR. CASE: Jim, this is from Neonatal
6 Perinatal Medicine, Fifth Edition 1992, Volume Two.

7 MR. GLEASON: Say it a little slower, Harlow.

8 MR. CASE: I'm sorry I'm getting into that
9 habit.

10 MR. GLEASON: Neonatal Perinatal Medicine?

11 MR. CASE: Fifth Edition.

12 A Who is the author?

13 MR. CASE:

14 Q Fanaroff, Mosby.

15 A What page is that on?

16 Q Seven-o-five, Doctor.

17 A Let me see if I have the right -- well, I guess
18 -- which edition is that, fourth edition?

19 Q Fifth. It's 1992, this year's edition -- last
20 year's edition.

21 A I don't have that. I'm sorry.

22 Q Do you have the fourth edition?

23 A Yes.

- 1 Q Do you refer to it from time to time?
- 2 A From time to time.
- 3 Q Why do you have it in your library?
- 4 A Because I'm a physician.
- 5 Q And do you have it there so that you remain
- 6 current and up to date on developments in your field?
- 7 A No. I had it at one time. It's obvious that
- 8 most textbooks are out of date when you buy them.
- 9 Q All right. So is it still there on your shelf,
- 10 the fourth edition?
- 11 A Yes.
- 12 Q Why is it on your shelf?
- 13 A Well, you know, I have a lot of books on my shelf
- 14 which I probably should discard.
- 15 Q So is that one that you are going to be
- 16 discarding before trial?
- 17 A No, Mr. Case, I don't intend to discard it before
- 18 trial. I don't intend to go through my books.
- 19 Q The fifth edition, the current edition? (Sic.)
- 20 A What about it?
- 21 Q Have you ordered it?
- 22 A No, I haven't ordered it.
- 23 Q Do you intend to?

- 1 A No.
- 2 Q Why not?
- 3 A Why should I?
- 4 Q Why did you order the fourth edition?
- 5 A Because at the time I thought it was a good
- 6 textbook.
- 7 Q And now you don't believe it's a good text?
- 8 A No, I -- I think it's still a good text.
- 9 Q All right. But you don't want the fifth edition?
- 10 A No. I -- it's not that I don't want it. I mean,
- 11 I have no -- you know, I have no plans to order it, I mean,
- 12 whether I want it or not.
- 13 Q What other texts do you have in your library
- 14 there on neonatal perinatal medicine?
- 15 A Volpe's editions, the -- several previous
- 16 editions of Avery. That's only in this library. I -- you
- 17 know, I have another library in my other office at my home.
- 18 So I have a number of journals on pediatrics, Journal of
- 19 Pediatrics, Perinatal Clinics, Pediatric Neurology, The
- 20 Practice of Pediatric Neurology and so forth.
- 21 Q Are any of those texts that you have in your
- 22 library authoritative?
- 23 A What do you mean by authoritative?

1 Q You don't know what the word authoritative means?

2 A Well, it's been my experience that different
3 people have different meanings. If you would just kind of
4 tell me what you mean I'll be glad to tell you.

5 Q That you would look to as an authority.

6 A Well, I -- you know, I would say what I consider
7 authoritative is a text that I would refer to from time to
8 time that I think is reasonable, reproducible, and may agree
9 with some or all of what is printed if it is reproducible by
10 other authors and consistent with the literature.

11 Q Are any of the books in **your** library
12 authoritative as you use that term?

13 A Oh, yeah. I would think so.

14 Q Which ones?

15 A I mean, all the ones that I mentioned, you know,
16 would meet that definition.

17 Q How about the Volpe, "Neurology of the Newborn?"

18 A It's an excellent textbook.

19 Q You would agree that it's authoritative?

20 A By my definition.

21 Q And how about Dr. Avery's books?

22 A I think it's also an excellent textbook.

23 Q And authoritative?

1 A Well, you know, by my definition, stating that
2 there is some excellent information that oftentimes is
3 reproducible. I don't agree with everything in there or
4 sometimes how it's stated, depending on the author who
5 writes the chapter. Volpe was written by Volpe. Avery is
6 written by many, many authors.

7 Q Any authors that jump out to you as being
8 particularly unauthoritative?

9 A No.

10 Q Any that are particularly authoritative?

11 A I -- I don't know what "particularly
12 authoritative" means, so I don't know how to answer that.

13 Q Authoritative within your meaning.

14 A Oh, I -- yeah, I think there are probably a
15 number of them. I can't remember all the authors from Avery
16 so I can't tell you that for sure.

17 Q And do I understand that you don't know the cause
18 of Jason Moran's one-minute Apgar score of one?

19 A Oh, well, the baby was depressed. It was
20 probably related to his previous neurological deficit. But
21 again, I'm not certain. I don't know the cause.

22 Q Would this baby have had a persistent bradycardia
23 since his viral insult, is that your testimony?

1 A I don't believe I've ever made that statement,
2 Mr. Case.

3 Q I didn't say you did. I wanted to know if that
4 was your testimony.

5 A Well, I would assume that if it's testimony --
6 **no**, it's not my testimony.

7 Q All right. Why was the baby born with no tone?

8 A Why was the baby born with no tone? Because it
9 was depressed at birth at one minute.

10 Q Which is the first -- what are the scores in an
11 Apgar score? What are the different measuring sticks?

12 A Heart rate, tone, reflex ability, color, and
13 respiration.

14 Q Which of those is the first to become compromised
15 when an infant experiences an episode of perinatal asphyxia?

16 A Well, it depends on when -- it may be none, okay,
17 or it may be all or it may be some. It depends on what the
18 etiology is, when it occurs, and what gestation.

19 Q **So** depending on when it occurs in the gestation,
20 a baby might lose the heart rate first before it loses its
21 tone? Is that your testimony?

22 A **Well**, you keep using the word perinatal, and I --
23 you know, I -- if you are using my definition, then if it

1 occurred at thirty to thirty-five weeks the baby may have no
2 problems or may manifest some problems at birth.

3 Q In what order, though, when a child suffers from
4 perinatal asphyxia, do -- does he suffer a diminution in
5 tone?

6 A I don't think I understand that question.

7 Q All right. Strike it. Let me try it again. Is
8 the heart rate the last thing to go when a baby is suffering
9 from perinatal asphyxia?

10 A I can't answer that question.

11 Q Why not?

12 A Because I don't understand it.

13 Q In other words, Doctor, is the baby going to lose
14 tone, reflex, color, and respiration before he totally loses
15 his heartbeat?

16 A It depends on the problem.

17 Q And what about the problem has an impact on
18 whether he loses the heartbeat last?

19 A Well, it depends on what the etiology of the
20 difficulty is.

21 Q Well, explain to me what about the etiology with
22 impact whether the heart rate goes last.

23 A Well, if an infant has a cervical cord injury and

1 is blue, has no tone, no respirations, no reflex ability but
2 may have a normal heart rate; or if he has congenital
3 muscular dystrophy, he may be the same case; or if he has a
4 congenital myopathy such as a mitochondrial myopathy.

5 Q When a baby is resuscitated -- let's assume
6 you've got a baby like Jason who has no tone, no reflexes,
7 blue color, no respirations, and a slow heart rate. Will
8 you agree that that was his condition at birth?

9 A Well, the description is a one at one minute for
10 the heart rate and the rest are zeros.

11 Q So is that yes?

12 A No, that's what I said.

13 Q Doctor, did he have respiration at birth?

14 A No.

15 Q Did he have color at birth, was he blue?

16 A It says it was zero, it doesn't say what color.

17 Q What color are you assuming he was?

18 A Blue.

19 Q So he was blue at birth?

20 A I'm assuming that. It doesn't say that.

21 Q What about his reflexes? He was floppy?

22 A He had decreased -- obviously had decreased tone
23 if he had a zero, yes.

- 1 Q Did he have any tone at all?
- 2 A Not according to this.
- 3 Q And did he have any reflex action at all?
- 4 A No.
- 5 Q So he was born without respiration, blue in
6 color, no reflexes, and no tone and a slow heartbeat; is
7 that correct?
- 8 A Correct.
- 9 Q When an asphyxiated infant who has suffered
10 perinatal asphyxia is resuscitated, in what order do those
11 Apgars come back?
- 12 A Which Apgars?
- 13 Q Those categories, in what order do they come
14 back?
- 15 A Well, I mean, which Apgars, five, ten, twenty,
16 thirty minutes. Which ones?
- 17 Q I'm talking about the categories, Doctor. Does
18 the heart rate respond most quickly, does the reflexes
19 respond more quickly?
- 20 A Well, it depends on the cause, Mr. Case.
- 21 Q All right. Let's assume perinatal asphyxia from
22 an asphyxial insult at birth.
- 23 A There's a hundred of those causes. So which one

1 are you talking about?

2 Q A kid having his head trapped for too long and
3 not getting oxygen.

4 A Well, I wouldn't -- you know, if you're talking
5 about this situation, obviously the child had no problem as
6 a result of that.

7 Q Obviously, Doctor.

8 MR. GLEASON: So stipulated.

9 MR. CASE:

10 Q Could you answer my question, please?

11 A I did the best I could, Mr. Case.

12 Q No, you didn't. Now, answer my question. A kid
13 gets his head trapped at C section and becomes asphyxiated
14 as a result. In what order do the categories of Apgar come
15 back, assuming he's born with a one-minute Apgar
16 representing a low heartbeat?

17 A What's the criteria for asphyxia then?

18 Q Doctor, what do you mean?

19 A Well, I mean, what is the criteria to establish
20 that the baby was asphyxiated? You're saying a one-minute
21 Apgar reflex, that -- no, that is not the case. So tell me
22 what the criteria is in that particular situation. Is the
23 kid on a ventilator? Is he having seizures? Does he have

1 heart failure, renal failure?

2 Q Doctor, I think you're getting a little bit ahead
3 of yourself. I'm asking you in what order, when that kid is
4 resuscitated, the categories of Apgar come back. Is that
5 something you're unable to do?

6 A As you asked the question, it is, Mr. Case. I
7 don't understand what you are trying to get out without an
8 appropriate question.

9 Q I'm sure you don't, Doctor.

10 A Now, don't get mad.

11 Q Is there any literature that recognizes or
12 advocates that the time it takes an asphyxiated infant at
13 birth to recover his respirations is equivalent to the time
14 that the asphyxial insult occurred prior to birth?

15 A I don't know the answer to that.

16 Q You're not aware of anywhere in the literature
17 where that's suggested?

18 A No. I just don't know where it is. If it is,
19 I'd be happy to look at it.

20 Q You've never heard of that before?

21 A No.

22 Q Do you try to stay current on the literature?

23 A Excuse me?

1 Q Do you try to stay current on the literature,
2 Doctor?

3 A I do.

4 Q And how do you do that?

5 A By reading.

6 Q Do you read all of the journals or do you just
7 read the parts that interest you, the particular subjects
8 that interest you?

9 A I -- do you mean reading all of the journals
10 meaning what? Meaning journals in my field or journals that
11 I may select or what?

12 Q You have just recited earlier this afternoon the
13 journals that you subscribe to. Do you read them cover to
14 cover, or do you look at the front of the journal and say
15 this part interests me and this part interests me so I'm
16 going to read those?

17 A Well, let's first be clear. I did not recite the
18 journals that I subscribe to. You didn't ask me that. But
19 when I do look at journals, if there are articles of
20 interest then I will read them. If there are articles that
21 I do not feel I wish to pursue, I won't read them.

22 Q What journals do you subscribe to?

23 A The New England Journal of Medicine, The Journal

1 of Pediatrics, Pediatrics, Neurology, Archives of Neurology,
2 Pediatric Clinics of North America, Perinatal Clinics, the
3 Neurology Clinics, The American -- let's see, the AMA
4 Journal, and I can't remember the name **of** it -- the Journal
5 of the American Medical Association, Brain, there may be a
6 few more. That's all that come to mind right now.

7 **Q** Why do you subscribe to those journals?

8 **A** Because I think that they have good material in
9 them.

10 **Q** And that's one way you stay current in your
11 field?

12 **A** Yes.

13 **Q** What is your field?

14 **A** I'm a neurologist with special competence in
15 child neurology.

16 **Q** How did you get that special competence?

17 **A** I took the boards.

18 **Q** Anything else?

19 **A** How did I get the special -- well, I mean, I
20 obviously went through the appropriate training program, was
21 recommended by the head of the training program, took the
22 board certification, and was accredited.

23 **Q** Describe for appropriate training program.

1 A A pediatric internship, pediatric residency,
2 adult neurology, special NINDS fellowship, and then child
3 neurology NINDS fellowship

4 Q What is NDS?

5 A NINDS, National Institute of Neurological
6 Diseases and Stroke.

7 Q Where is that?

8 A Where is that? In Bethesda, Maryland.

9 Q And when did you complete that?

10 A No, that was the award for -- by the NINDS for
E1 the fellowship to the institution that I did it at, which
12 was at Washington University in St. Louis.

13 Q In what jurisdictions have you testified in
14 deposition as an expert witness?

15 A Mostly in the southeast. Florida, Georgia,
16 Mississippi, Louisiana, obviously Washington, Maryland,
17 California, Arkansas, Texas, Cleve -- Ohio. There may be a
18 few other states.

19 Q Out about out of the country, have you looked at
20 any cases out **of** the country?

21 A No.

22 Q When is the last time you have testified in
23 Maryland in a case?

1 A I don't think I've ever testified in the State of
2 Maryland.

3 Q Have you given a deposition in a case pending in
4 Maryland?

5 A Yes.

6 Q How long ago?

7 A It's been a number of years.

8 Q Do you remember the plaintiff's attorney's name?

9 A No, I don't.

10 Q Did you ever give a testimony in a -- deposition
11 testimony in a case where Andy Greenwald was the plaintiff's
12 attorney?

13 A The name sounds familiar, but I don't remember.

14 Q And that's -- if that had occurred that would
15 have been several years ago?

16 A I don't recall.

17 Q Could it have been last year?

18 A It's possible.

19 Q And it's your testimony you have never been to
20 Maryland to a deposition?

21 A **You** know, I can't -- you know, my memory doesn't
22 serve me well whether it's in Maryland or in the District.
23 I can't honestly tell you.

1 Q When is the last time you gave a deposition in
2 the District?

3 A I don't recall. You know, sometime in the last
4 two years.

5 Q Was that a case where Mr. Olender took your
6 deposition?

7 A That was one of them, yes.

8 Q Was there others -- were there others?

9 A That I went to Washington? I don't believe so.

10 Q What materials have you reviewed in this case?

11 A A copy of the complaint, the MRI scan that was
12 provided to me, day-in-the-life film, medical records
13 regarding Phyllis Moran from Marc Jerome, Columbia Hospital
14 for Women, Robin Winfield or Weinfeld, the medical records
15 regarding Jason Moran from Columbia Hospital for Women,
16 Pediatric Therapy Services, Fairfax County Health
17 Department, Fairfax Public School Records, the Children's
18 Hospital National Medical Center, St. Louis Children's
19 Hospital, Georgetown University Medical Center, some
20 depositions **by** -- I'm sorry, there's also some medical
21 records from the Vienna Family Medicine, a psychological
22 development report by Dr. Menski, a deposition of Dr.
23 Scanlon and Dr. Wert.

1 Q Any others?

2 A No, I believe that's it.

3 Q Did you ask to review any other materials?

4 A Excuse me?

5 Q Did you ask to review any other materials?

6 A No.

7 Q Did you generate any report in the case?

8 A No. Mr. Gleason has not asked for a report.

9 Q Doctor, what are the causes of spastic diplegia?

10 A In who, when, and what situation?

11 Q Anybody. What are the possible causes of spastic

12 diplegia?

13 A An aneurysm, a cerebrovascular accident, an

14 infection, a toxin, drugs, trauma, alcoholism, a vasculitis

15 from a systemic disease, spinal cord injury, lack of oxygen

16 and blood flow to the internal capsule and periventricular

17 area. I think that's about it for -- at least that I can

18 recall.

19 (Pause.)

20 A Are you still there?

21 Q Yeah.

22 A Okay.

23 Q Your testimony in a nutshell, Doctor, is that you

1 don't know the precise cause of Jason Moran's neurological
2 deficits, but you do know that they are not related to any
3 insult or asphyxia that may have occurred at birth; is that
4 true?

5 MR. GLEASON: I would object to the form of
6 that. You may answer, Dr. Chalhub.

7 A I would object to paraphrasing me
8 inappropriately, so no.

9 MR. CASE:

10 Q What's your opinion?

11 A I've already given it to you for the past hour.

12 Q Give it again. What's your opinion about the
13 cause of this child's neurological deficits?

14 A That Jason Moran suffers from a motor deficit,
15 spastic quadriparesis, greater in the lower extremities than
16 the upper extremities, secondary to an intrauterine insult
17 approximately thirty to thirty-five weeks either related to
18 a lack of oxygen or blood flow, infection, or developmental
19 abnormality.

20 Q But you don't know what infection?

21 A No, I don't.

22 Q Can you point to anything in the record regarding
23 -- that would help us understand which organism infected

1 this child?

2 MR. GLEASON: Harlow, I'm not -- you can ask
3 whatever questions you want to ask. I just think
4 you've thoroughly explored this area at an earlier
5 point in the deposition. So if you want to ask him,
6 you can do it, but I think he's given you as best an
7 answer as he can to it. If you want to go into that
8 area again --

9 THE WITNESS: I agree. I really don't have
10 much else to say.

11 MR. CASE:

12 Q So I think so fair to say that -- well, strike
13 that.

14 What are echoviruses?

15 A It's an enterovirus, an RNA virus.

16 Q And are you able to exclude that in this case?

17 A Am I able to exclude it?

18 Q Yes.

19 A Not totally. You know, do I think it's a
20 probable cause, no.

21 Q It would be highly unlikely, wouldn't it, Doctor?

22 A I would say it's not a probable cause.

23 Q And, in fact, you're not able to say that any of

1 the viruses that you have identified or bacterial infections
2 or any of the other infections are a probable cause in this
3 case?

4 A Well, no. You know, as -- you asked me -- you
5 know, we've been through that for an hour, Mr. Case.

6 Q I don't think I've been on the phone for an hour,
7 Doctor, but go ahead. Your time may be different down there
8 than up here.

9 A Well, my time shows that you have been on the
10 phone for an hour, but --

11 Q It's five of 4:00, and the call wasn't placed
12 until 3:00, Doctor.

13 A Well, you guys have different times than we do.
14 But anyway, I won't argue with you about the time.

15 What's your question? I'm sorry, I don't know
16 the question pending.

17 MR. GLEASON: He's thinking about it, I
18 think.

19 MR. CASE:

20 Q Doctor, what's your hourly charge for reviewing
21 cases?

22 A A hundred and seventy-five dollars.

23 Q How many hours do you have in this case so far?

1 A I guess approximately six to eight hours.

2 Q Have you billed for that time yet?

3 A You know, I honestly don't know. You could
4 certainly ask **Mr.** Gleason, and if he has it I'd be happy for
5 him to give it to you.

6 **MR. GLEASON:** I don't think so at this time,
7 Harlow. If I have it, I'll send it to you if you want.

8 **MR. CASE:**

9 Q Are you still flying first class, Doctor?

10 A Well, it depends on where I'm going and how my
11 income is at that time.

12 Q Well, if you come to trial are you going to fly
13 first class?

14 A I may or may not. It depends upon the
15 availability and what I have to do.

16 What do you fly, Mr. Case?

17 **MR. GLEASON:** Let's -- **Mr.** Case doesn't have
18 to answer question, Dr. Chalhub. Let's try to move
19 along.

20 **THE WITNESS:** Well, I think that's a
21 ridiculous question.

22 **MR. CASE:** Well, off the record.

23 (Off the record.)

1 MR. CASE: Doctor, that's all I have. Thank
2 you very much,

3 MR. GLEASON: We will -- Madam reporter, I'd
4 like -- this is Mr. Gleason, and I do want a copy. I
5 assume -- Jerry, do you want a copy?

6 MR. COLLINS: Yes, I would like a copy. No
7 questions.

8 MR. GLEASON: Okay. I'm sorry. And I don't
9 have any questions, either. But anyway, I do want to
10 make sure that Dr. Chalhub has an opportunity to review
11 the transcript, given that we're conversing by
12 telephone here. And, you know, I want to make sure
13 nothing was lost one way or the other. So usually I
14 have a copy sent to me, but since you're down there you
15 can send the copy to -- directly to Dr. Chalhub for
16 review and then he can provide an errata sheet and send
17 that to me. Is that okay, **Doc**?

18 THE WITNESS: That would be fine.

19 MR. GLEASON: Madam reporter, is that
20 acceptable?

21 THE REPORTER: Yes, sir. That's fine. I'll
22 take care of it.

23 MR. GLEASON: Then if you would go ahead and

1 send the copies -- unedited copies around to counsel
2 before, that would be appreciated. Okay?

3 THE REPORTER: Yes, sir.

4 MR. GLEASON: Okay, Doc, I guess that's all
5 for you.

6 FURTHER, THE DEPONENT SAYETH NOT.

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

CERTIFICATE OF WITNESS

I, ELIAS CHALHUB, M.D., do hereby certify that on this the _____ day of _____, 1993, I have read the foregoing transcript and, with corrections attached hereto, if any, it constitutes a true and accurate transcript of my testimony taken on oral examination on February 3, 1993.

ELIAS CHALHUB, M.D.

Subscribed and sworn to before
me this the ____ day of _____, 1993.

Notary Public

My Commission Expires: _____

1

2

C E R T I F I C A T E

3

4 STATE OF ALABAMA)

5 COUNTY OF MOBILE)

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

I do hereby certify that the above and foregoing transcript *of* proceedings in the matter aforementioned was taken down by me in machine shorthand, and the questions and answers thereto were reduced to writing under my personal supervision, and that the foregoing represents a true and correct transcript of the proceedings given by said witness upon said hearing.

I further certify that I am neither of counsel nor of kin to the parties to the action, nor am I in anywise interested in the result of said cause.

ANGELIA JONES COXE
COURT REPORTER

4-261> Estate of Ashley Carr

DEPOSITION OF ELIAS CHALUB, M.D.
[Estate of Jason Moran]

TAKEN ON February 3, 1993
by HARLOW CASE, ESQ.

Pg/Ln

42/14-19

.... related to Lack of oxygen or blood flow, infection or
developmental abnormality

Feb 3-1993 - MORTON J. COLUMBIA

42/4 - Wks relate to loc of off, injury
or developmental abnormality.