

Juffy

IN THE CIRCUIT COURT FOR THE
THIRTEENTH JUDICIAL CIRCUIT OF ALABAMA
MOBILE COUNTY

CHARLES WESLEY HINKLE,
etc., et al.,

Plaintiffs,

VS.

MOBILE INFIRMARY,
et al.,

Defendants.

CIVIL ACTION NUMBER
CV-80-001085

CHALMERS

The testimony of ~~CHALMERS, CHALMERS, CHALMERS~~

taken at Cunningham, Bounds, Yance,
Crowder & Brown, 1601 Dauphin Street,,

Mobile, Alabama, on the ~~1985~~

~~1985~~ commencing at

approximately 9:00 o'clock, a.m.

A P P E A R A N C E S

POR THE PLAINTIFFS:

CUNNINGHAM, BOUNDS, YANCE,
CROWDER & BROWN
ATTORNEYS AT LAW
1601 DAUPHIN STREET
MOBILE, ALABAMA

BY: ROBERT T. CUNNINGHAM, JR., ESQ.

HOLLAND & TABOR
ATTORNEYS AT LAW
141 EAST OHIO STREET
INDIANAPOLIS, INDIANA

BY: GORDON E. TABOR, ESQ.

FOR THE DEFENDANT -
Mobile Infirmary:

INGE, TWITTY, DUFFY & PRINCE
ATTORNEYS AT LAW
1300 MERCHANTS NATIONAL BANK
MOBILE, ALABAMA

BY: JAMES J. DUFFY, JR., ESQ.

FOR THE DEFENDANT -
Estate of Dr. James
Erwin, Deceased:

ARMBRECHT, JACKSON, DeHOY,
CROWE, HOLMES & REEVES
ATTORNEYS AT LAW
1300 AHSOUTH CENTER
MOBILE, ALABAMA

BY: BROOK G. HOLMES, ESQ.

A P P E A R A N C E S

FOR THE DEFENDANT - COALE, HELMSING, LYONS & SIMS
Radiologists, P.C. : ATTORNEYS AT LAW
200 LACLEDE BUILDING
MOBILE, ALABAMA
BY: JOHN N. LEACH, JR., ESQ.

ALSO PRESENT: DR. LILLY

ANGELIA JONES COXE
COURT REPORTER

1

2

I N D E X

3

Examination:

Page:

4

By Mr. Cunningham

'07

5

6

7

8

9

10

11

12

13

Exhibits :

Page:

14

(None)

15

16

17

18

19

20

21

22

23

S T I P U L A T I O N

It is stipulated by and between the parties hereto and their respective attorneys at law that the deposition on oral examination of the witness, ELIAS G. CHALEUB, M.D., may be taken before Angelia Jones Coxé, Commissioner, Notary Public for the State at Large, and that the said deposition shall be taken in accordance with the provisions of the applicable sections of the Alabama Rule's of Civil Procedure.

It is further stipulated that all notices provided for by said applicable sections of the Alabama Rules of Civil Procedure are waived; as is the signing and certification of said Angelia Jones Coxé and all other requirements and technicalities of every sort regarding the taking and filing of the deposition, except as hereinafter set out:

All objections save as to the form of the questions asked are reserved until the time of trial in accordance with the applicable provisions of the said Alabama Rules of Civil Procedure.

1
2 It is further stipulated that the original of
3 this transcript will be filed in accordance with the
4 provisions of the said Alabama Rules of civil Procedure.
5

6 It is further stipulated and agreed that the witness
7 hereto reserves the right to read and sign said
8 deposition as provided for by said Alabama Rules of
9 Civil Procedure,
10

* * * * *

11
12
13 I, Angelia Jones Coxé, Commissioner and Court
14 Reporter, certify that on this date, as provided by the
15 Alabama Rules of Civil Procedure, and the foregoing
16 stipulation of counsel, there came before me at
17 Cunningham, Bounds, Yance, Crowder & Brown, Mobile,
18 Alabama, on the 1st day of March, 1985, commencing
19 at 9:00 o'clock, a.m., ELIAS G. CHALHUB, M.D.,
20 witness in the above cause, for oral examination,
21 whereupon the following proceedings were had:
22
23

ELIAS G. CHALHUB, M.D.,

The witness, after having first been duly sworn to tell the truth; 'the whole truth;' and nothing 'but the truth, was examined and testified as follows:

EXAMINATION

BY MR. CUNNINGHAM:

Q State your name, please, sir.

A Elias George Chalhub.

Q Dr. Chalhub, you just arrived here at our office a few minutes ago and I believe you were ushered into another office by Mr. Duffy and Mr. Holmes and Mr. Leach and had a conversation; is that correct?

A That's correct.

Q What was the substance of that conversation?

A 'They asked me if I had read the records, prepared, and that's the substance.

Q Anything else?

A No.

Q Did they ask you about any specific parts of the record?

1 A No.

2 Q Did they ask you what else you had read besides
3 the hospital record?

4 A no.

5 Q So you were asked one question, and that is,
6 whether or not you had read the record and whether you were
7 prepared?

8 A No, I'm sure there were other questions. I mean,
9 I can't recall every little thing that they asked.

10 Q Well, I understand. But it hasn't been 'but about
11 five minutes ago: "What else were you asked?"

12 A Just various things, you know, whether I was
13 prepared, am I ready to give a deposition, you know, those
14 sort of things.

15 Q You told me about that question. What other
16 questions did they ask?

17 A There weren't any other, Mr. Cunningham.

18 Q That's it?

19 A Yes.

20 Q Now, what is your opinion as to --

21 -- MR. HOLMES: Excuse me. I find I'm not
22 prepared. I need a yellow pad.

23 (Off the record)

1 MR. HOLMES: I'm sorry.

2 MR. CUNNINGHAM:

3 Q What is your opinion, Dr. Chalhub, as to the cause
4 of Andy Hinkle's brain damage?

5 A Do you mean in terms of what the event was, or
6 what are you talking about?

7 Q I mean what, in your opinion, was the cause of his
8 brain damage?

9 A Hhat brain -- what are you talking about in terms
10 of the brain damage?

11 Q Well, you know --

12 A Define the brain damage 'forme.

13 Q Do you know what brain damage is, as a
14 neurologist?

15 A Well, it's a general term. But whzt type of brain
16 danage are you talking about?

17 Q Do you know what brain damage is?

18 A It's a genera term.

19 Q Did Andy Hinkle suffer brain damage?

20 A He had a certain type of brain damage.

21 Q What type of brain damage was it?

22 A He had a hypoxic ischemic encephalopathy.

23 Q All right. So when I --

1 A Is that what you're referring to?

2 Q So when I say what caused his brain damage, you
3 know what brain damage I'm talking about, because you know
4 what kind he had, don't you?

5 A No, I know what I know it is, but I don't know
6 what you are referring to it as.

7 Q I'm talking about the kind he had.

8 A Well, but I don't know whether you know that.

9 Q Well, you don't worry about what I know. You just
10

12 terms of the type of brain damage and I'll be glad to answer
13 your question.

14 Q How many types did he have?

15 A I know that he has one type.

16 Q Well, that's the type I'm talking about.

17 A But there's many types of brain damage.

18 Q I'm taking about the kind he had.

19 A Okay. I'm not trying to argue with you. I want
20 to answer your questions but --

21 Q Well, it sure seems like it. Now, that's not very
22 difficult.

23 MR. HOLMES: Don't badger the witness.

1 MR. CUNNINGHAM: Nobody's badgering the
2 witness.

3 MR. HOLMES: Well, I think you are.

4 MR. CUNNINGHAM:

5 Q I asked you what caused Andy Hinkle's brain
6 damage. You know what kind he had. Now, that seems fairly
7 simple.

8 A Wait a minute, now. I asked -- there are many
9 types of brain damage. It's like saying that people are
10 sick. And if you want to refer to the type of brain damage,
11 that's fine. If you want to ask me what type of brain damage
12 I think he has, then I'll be glad to answer that and then go
13 on and answer your question.

14 Q I'm going to ask you the questions I want to ask,
15 Dr. Chalhoub.

16 MR. DUFFY: And he'll answer them if he can
17 answer them.

18 A I can answer them only if I can understand them,
19 Mr. Cunningham.

20 MR. CUNNINGHAM:

21 Q I asked you what caused Andy Hinkle's brain
22 damage, not somebody else's brain damage.

23 A I understand.

1 Q All right. What caused Andy Hinkle's brain
2 damage?. If you don't know, that's fine.

3 A I didn't say I didn't know.

4 Q Well, what caused it?

5 A I just asked you to further define your question
6 so we could be specific and accurate.

7 Q Now, you have told me that you ermined him, and
8 you know what kind of brain damage he had?

9 A Yes.

10 Q Right?

11 A But I don't know what you mean by brain damage.
12 What I mean by brain damage is another thing.

13 Q I'm talking about the kina of brain damage that he
14 had.

15 A All right. We've already said -- and I'll tell
16 you what I think he had. He had a hypoxia ischemic
17 encephalopathy. And the cause of this hypoxic ischemic
18 encephalopathy was a cardiac arrest.

19 Q Okay. Is there anything difficult about that
20 answer?

21 A No. I'd just have to know what you are taking
22 about.

23 Q Well, when I say --

1

2

3

4

5 Q -- I'm talking about the kind that you have
6 described him as having. Okay?

7 A Yeah. But that's what I think. What you call
8 brain damage is not necessarily what I do. If you are
9 talking about something else, then I want to know it.

10 Q I'm talking about the kind of brain damage that
11 you have described. Okay? Can we agree to that from now on?

12 A Okay. But you have asked me to describe it. And
13 I'm happy to do that.

14 -
15 the brain damage?

16 A I think there are a number of possibilities.

17 Q All right. List them for us, please.

18 A Now, we're referring to the time that he was at
19 the Mobile Infirmary in his room and on the 22nd at -- after
20 he had come back from having a saphenous vein cutdown, and he
21 was in the room and he had suddenly arched his back, became
22 cyanotic and essentially had a cardiorespiratory arrest.

23 The cause of that, I think, are multiple factors,

1 one of which is probably a metabolic disturbance.

2 Q Wait a minute. Now, let me be sure you're
3 answering what I asked you. You told me that --

4 A Well, that's what you asked me. Okay.

5 Q Now, listen to my question, please. You told me
6 there were a number of possible causes of his cardiac arrest.
7 Did I understand that correctly?

8 A Probable causes.

9 Q All right. Probable causes. All I want you to do
10 is list those for me.

11 A But wait a minute. I have to answer the questions
12 the way I want, not the way you want.

13 Q Can you or can you not list the probable causes?

14 A I can list them the way I can answer the question.

15 Q Well, list them for me. Tell me number one.

16 A The first probable cause is a metabolic
17 abnormality of either electrolytes or other body chemistries.

18 Q Metabolic abnormality of electrolytes or other
19 body chemistries. Okay.

20 A (Witness nods head affirmatively).

21 Q What's number two?

22 A Wait a minute. Let me finish, okay? Resulting in
23 a cardiac arrhythmia and cardiac arrest.

1 Q What's number two?

2 A Is a vasovagal response resulting in a severe
3 sustained bradycardia resulting in a cardiac arrest.

4 Vasovagal response resulting in severe what?

5 A Bradycardia

6 Q Okay. Resulting in cardiac arrest?

7 A Yes.

8 Q Now, did you say severe prolonged bradycardia?

9 A Yes.

10 E. HOLMES: I think you said severe.

11 A It has to be severe to a we a cardiac arrest,

12 MR. CUNNINGHAM:

13 Q Severe prolonged bradycardia, causing cardiac
14 arrest?

15 MR. HOLMES: I object. Your word was
16 prolonged.

17 MR. CUNNINGHAM: He used the word. The record
18 will speak for itself.

19 MR. HOLMES: Prolonged was your word. He said
20 severe.

21 MR. CUNNINGHAM: He just said he used the word
22 prolonged, too.

23 A Prolonged — when I am talking about a prolonged

1 bradycardia, we're talking about one to two minutes.

2 Q Okay. Well, all I'm trying to find out is did you
3 or did you not use the word prolonged?

4 A I used the word.

5 Q Okay. Vasovagal response resulting in severe
6. prolonged bradycardia resulting in cardiac arrest?

7 A Cardiac arrest.

8 Q Okay. Is that the end of number two?

9 A Yes.

10 Q All right. What's number three?

11 a It's possible anomalous coronary artery resulting
12 in some type of cardiac stress or myocardial infarction
13 causing the cardiac arrest.

14 Q Resulting in cardiac stress?

15 A Uh-huh.

16 Q Or whzt?

17 A Or myocardial infarction or ischemia to the
18 myocardium resulting in cardiac arrest.

19 Q Is that the end of number three?

20 A Yes.

21 Q All right. What's number four?

22 A I think those are the only probabilities. Of
23 course, there are multiple possibilities. But that's what I

1 feel was the event which resulted in the cardiac arrest.

2 Q You feel it was one of those three?

3 A Yes,

4 Q Okay. And which of those three do you think most
5 likely?

6. A Well, I think I have listed then as one, two and
7 three.

8 Q In order?

9 A Yes.

10 Q Okay. So you think number one is most likely,
11 number two is next most likely, and number three is next most
12 likely?

13 A That's correct.

14 Q Now, when did you form *that* opinion?

15 A That's hard to say. I mean, you know, **this** is a
16 case that's six years old. So I mean, I can't tell you
17 exactly when. I mean, certainly over the time **that** I took
18 care of Andrew Hinkle and over *the* time **that** I reviewed the
19 records.

20 Q All right. Over the time that you took care of
21 him while he was at USA and at Mobile Infirmary?

22 A And subsequently.

23 Q And subsequently? All right. And how long did

1 you care for him after his discharge from USA?

2 A I believe it was up until the following 1980.

3 Q Okay'. Did you form these opinions before he was
4 discharged from USA?

5 A I'm sure I did. I mean, it's hard to be specific.
6 I can't remember six years..

7 Q Well, did you have whatever information you needed
8 in order to form those opinions by the time he was discharged
9 from USA?

10 A Again, it's hard to be absolutely certain. I
11 mean, there's been so much information acquired. And
12 certainly, in reading other people's depositions that I have
13 not had the opportunity to have talked to and to see other
14 factors, but I -- you know, essentially my feeling has always
15 been, that the child had a cardiac arrest. And I feel that
16 these were the most likely possibilities.

17 Q All right. Has it been your opinion for the same
18 length of time that the cardiac arrest was caused by one of
19 these three?

20 A Yes. Certainly by number one.

21 Q Okay. So you have had that opinion since the very
22 beginning?

23 A Yes.

1 Q Okay. Now, tell me what you mean by metabolic
2 abnormality of electrolytes.

3 A Well, when a child has pyloric stenosis they will
4 vomit. And they will vomit potassium and acid and chloride.
5 And they tend, over a period of time, to become hypokalemic
6 and also alkalotic. And this is the usual pattern.

7 This young man's electrolytes were -- as they were
8 drawn when he was admitted, were certainly within the normal
9 range, but on the borderline. It reflected an unusual
10 pattern that one sees with pyloric stenosis; that is,
11 normokalemic and a slightly acidotic pattern, and not by any
12 means severely acidotic, just that he had a bicarbonate of
13 twenty or twenty-one and the normal being twenty-four, so
14 mildly, but a little bit unusual for somebody who would have
15 pyloric stenosis.

16 And this may reflect that he had lost considerable
17 potassium and may have reflected that he had lost some fluid.

18 And it does make a situation in which the electrolytes are
19 not totally in balance. And it may not be reflected in the
20 serum chemistries, which means that: even though you obtain
21 them, you have to say that, yes, they are normal and you
22 proceed in that fashion.

23 But one always wonders what else could be going on

1 in the total body picture.

2 Q Well, are the lab reports on admission normal or
3 abnormal?

4 A I'd have to say they are normal.

5 Q okay.

6 A But unusual for *that* condition.

7 Q All right. And in looking at those lab reports,
8 you recognized that they were unusual for that condition; is
9 that correct?

10 A Yes.

11 Q All right. Would you expect a pediatrician to be
12 able to recognize that?

13 A Yes. I'm sure they did.

14 Q All right. And is there any treatment available
15 for that type of unusual pattern?

16 A No. They did — the treatment would be exactly
17 the same. The electrolytes are essentially within normal
18 limits. One keeps in the back of their mind that it's an
19 unusual pattern. You watch them and you watch for changes
20 over a period of time.

21 Q All right. How do you watch for these changes?

22 A Well, you'd repeat them in a reasonable period of
23 time, four, six, eight hours, depending on how the child did.

1 7 I said unusual pattern, rather.

2 A Unusual pattern -- now, let's clarify that again.
3 I said unusual for pyloric stenosis, but not abnormal, okay?
4 Not abnormal for a child that you would take off the street...
5 and admit to the hospital-

6 Q All right. Well, I'm talking about a child with
7 pyloric stenosis. Now, do you do anything different for one
8 who has this unusual pattern than what you would do for one
9 that does not have it?

10 A No. It's taking one piece of information in a
11 complicated Clinical situation, You put it with your other
12 factors, you evaluate it and then you proceed in a logical
13 fashion.

14 Q So you don't do anything different?

15 A Not anything different than was done with this
16 child, no.

17 Q Well, my question is, you've got two children with
18 pyloric stenosis just like this child. One shows this
19 unusual pattern and one does not. Is there any difference in
20 the treatment?

21 A It depends -- what's the other child?

22 Q The other child is within normal limits, but doesn't
23 not know show this unusual pattern.

1 Wait a minute, now. This child is within normal
2 limits.

3 Q I understand that. So is the other one, but the
4 other one does not show the unusual pattern and this one
5 does.

6 A Well, but if they're in normal limits,
7 then I don't see what the difference is. Now, the child with
8 pyloric stenosis, the usual run of the mill child, has a
9 hypokalemic, hypochloremic alkalosis. N
10 that child differently.

11 Is there any difference in the treatment that you
12 would give to a child who came into the hospital with pyloric
13 stenosis who did not reflect this unusual pattern that Andy
14 had?

15 A You haven't given me all the parameters. Every
16 child with pyloric stenosis is different. One handles them
17 entirely different on a clinical basis.

18 Q Well, how do you handle this one then?

19 Just as he was handled. I've already told you
20 that.

21 Q All right. What did *they* do differently in this
22 case than they would have done with the unusual
23 pattern?

1 A Well, tell me what unusual pattern that he would
2 nave and then I'll tell you what they would have done.

3 3 The one you just told me about.

4 A Well, they would have given E different type of
5 electrolyte solution if he was severely hypochloremic or
6 severely alkalotic or severely hypokalemic.

7 Q Well, was he any of those things?

8 A I've already said that his electrolytes were'
9 normal .

10 Q Well, you may hve to say things more than once in
11 some of your answers because I'm not a doctor. So just bear
12 with me.

13 A I understand that. I understana that.,.

14 Q Okay.

15 A But we've said it five or six times.

16 Q Well, you said that his lab reports on admission
17 showed an unusual pattern?

18 A I said unusual for the clinical condition. And
19 you have to use that whole phrase.

20 Q All right. Unusual for the clinical condition?

21 A Okay .

22 Q Is that correct?

23 A That's correct,

1 a And I'm trying to find out **if** you treat that
2 unusual pattern any differently than you would if he didn't
3 have it.

4 A Well, again, I'll say no. You'd treat it just as
5 these --

6 Q Just the cane?

7 A AS -- well, just as these physicians treated this
8 particular case. Every single case in medicine is an
9 individual case. You have to consider all of the factors.

10 You have to consider the age of the child. You
11 have to consider the condition, you have to consider the
12 status. Then you practice medicine based on all of those
13 factors. You don't take one isolated factor and treat it.

14 Q All right. Let me see if I can ask it a different
15 way.

16 I want you to assume everything is the same, okay,
17 and you have two children --

18 A Now we're talking hypothetically, unrelated
19 this case? to

20 Q Exactly. sure.

21 A Okay.

22 Q That everything is exactly the same with the
23 exception of the unusual pattern that you see in Andy's case.

1 Now, is there any difference in treatment?

2 MR. HOLMES: I think he's answered that,

3 A I don't think I understand the question.

4 MR. CUNNINGHAM:

5 Q Well, you said everybody's different. I'm trying
6 to put them all the same with one exception, the unusual
7 pattern.

8 A What is the other child's pattern? If this one --
9 if they are the same *except* for the -- do they both have the
10 unusual pattern? I don't understand your question.

11 Q so you say that what was done in case was
12 appropriate in every respect?

13 A For the clinical data that was available, yes.

14 Q All right. Well, is there any way to treat a
15 child such as Andy Hinkle who went on to suffer a cardiac
16 arrest, you think from this metabolic abnormality, is there
17 any way to treat them to try to avoid them arresting and
18 suffering brain damage?

19 A Not to my knowledge. Let me give you the analogy.
20 It's just like somebody walking around with known coronary
21 artery disease. You can't keep them in an intensive care
22 unit until they have a cardiac arrest. They suddenly have a
23 cardiac arrest because it happens. Nothing is particularly

1 abnormal.

2 They have an underlying condition. He's in the
3 hospital, he's having what is being done to treat the
4 condition. He has a complication which is totally
5 unavoidable, unforeseen and unpredictable.

6 Q And that is the cardiac arrest?

7 A Yes.

8 Q Well, is cardiac arrest from a metabolic
9 abnormality of electrolytes totally unpredictable and totally
10 unforeseen?

11 A In certain situations, it is, yes. In certain
12 situations, it's not. If the potassium was a level of one
13 point five, then they would be highly concerned and they more
14 than likely would have replaced the potassium.

15 Q You read Dr. McAtsee's deposition, didn't you?

16 A Yes, I have.

17 Q All right. Do you agree with him that the child
18 was severely dehydrated on admission?

19 A That's a long deposition. Why don't we -- you
20 know, let's get it out and talk what you want to talk about
21 and which pages.

22 Q I'm talking about what I want to talk about.

23 A Well, I don't -- you know --

1 MR. HOLMES: Show him which page.

2 MR. CUNNINGHAM: No, I'm not going to show him
3 page

4 Did you read in the deposition where he said that?

5 Well, I read a lot of things, Mr. Cunningham.

6 I didn't ask you about a lot of things. I said --

7 Wait a minute, now.

8 -- did you read in the deposition where he said
9 that?

10 A Do I get to finish my answers or do I not?

11 Q Well, we're going to be here until midnight unless
12 you answer my questions.

13 MR. WFFY: We won't be here until midnight.

14 MR. CUNNINGHAM: Yeah, we will.

15 MR. DUFFY: We'll walk out of here right now.

16 MR. CUNNINGHAM: No, we won't.

17 MR. DUFFY: We sure will.

18 MR. CUNNINGHAM: He's not answering the
19 questions.

20 MR. DUFFY: This is my witness and I'll do as
21 I please. Now, you've been harassing him since you
22 asked him his name.

23 MR. CUNNINGHAM: He has been evasive from day

1 one.

2 MR. DUFFY: He has not been evasive,

3 MR. CUNNINGHAM:

4 3 Now, I'm asking you a simple question.

5 MR. WFFY: What he *is* trying to do is to
6 ovoid your putting words into the record and then having
7 them misconstrued.

8 MR. CUNNINGHAM: He's trying to avoid
9 answering questions.

10 MR. DUFFY: He wants **this** record to read
11 properly.

12 MR. CUNNINGHAM:

13 Q Did you or did you not read in the deposition
14 where Dr. McAtee said the child was severely dehydrated?

15 A I'll have to say -- there were so many entries,
16 and Dr. McAtee would say one thing, you would- add more
17 superlatives and then ask him to agree. So show he exactly
18 where you are talking about, and I'll be glad to comment on
19 it.

20 I'm not trying to be evasive. I'm not trying to
21 be difficult. I want to be accurate, Mr. Cunningham.

22 Q My question to you, again, is, did you or did you
23 not read in the record of his deposition where he said

1 anywhere that the child was severely dehydrated?

2 A I don't recall him saying that this child was
3 severely dehydrated. I recall you saying that he was
4 severely dehydrated.

5 MR. WFEY: The first mention of it --

6 MR. CUNNINGHAM:

7 Q And you don't recall him saying it?

8 A So if you will show it to me --

9 MR. DUFFY: -- he said it was marked --

10 A -- I'd like to look at it and --

11 MR. DUFFY: -- dehydrated, markedly
12 dehydrated.

13 A -- then I'll go along with it. That's a four or
14 five hour deposition.

15 MR. CUNNINGHAM:

16 Q I'm asking you a very simple question.

17 A That's a four or five hour deposition. I can't
18 tell you everything without -- from memory. And this is not
19 a memory contest.

20 Q I'm not asking you everything.

21 A Well, yes, you are.

22 Q I'm asking you one simple thing.

23 A Well, then, why don't you just show it to me?

Q I'm asking you whether or not Dr. McAtee indicated
in one way or the other in his deposition that the child was
3 severely dehydrated?

4 A I can't answer your question.

5 Q Okay, You don't know?

6 A No. I didn't say that. If you will show it to
7 me, then we can answer the question.

8 Q You do know, but you are not going to tell me; is
9 that it?

10 A No, I didn't say that. I want to be accurate. So
11 show me where it is and I'll look at it.

12 Q I'm not going to show you anything, Doctor.

13 MR. HOLMES: You've got the deposition over
14 there.

15 MR. CUNNINGHAM: I'm not going to show him
16 anything.

17 MR. HOLMES: Why are you afraid to show it to
18 him?

19 MR. CUNNINGHAM: I'm not afraid to show it to
20 him. He's afraid to answer the question.

21 THE WITNESS: No, I'm not afraid to answer the
22 question.

23 MR. DUFFY: Let's get on with it, because

1 we're not going to hang around here and continue this.

2 MR. CUNNINGHAM: I'll tell you this. I'm not
3 going to sit here all day and let him evade every
4 question I ask.

5 MR. DUFFY: We're not going to sit here all
6 day, period.

7 MR. CUNNINGHAM: Yeah. Well, we'll see.

8 MR. DUFFY: We sure will see.

9 MR. CUNNINGHAM:

10 Q Doctor, how many medical malpractice cases have
11 you testified in?

12 A Over what period of time?

13 Q Since the day you were born.

14 A By deposition or in court?

15 Q In court.

16 A I testified in three cases last year.

17 Q 1984?

18 A Yes.

19 Q All right.

20 A Two or three the year before. And I don't think I
21 have been in court other than that.

22 Q All right. So when you say the year before, two
23 or three in 1983?

1 A That's right. To the best of my knowledge. I
2 mean, I can't be --

3 And to the best of your knowledge, you never
4 testified in court prior to that time?

5 A I may have. I certainly testified, you know, with
6 child abuse cases and etcetera.

7 Q Well, I'm talking strictly now about cases that
8 relate to issues of medical negligence, whether it be a
9 hospital, a doctor or whatever.

10 MR. DUFFY: Where he's been involved as a
11 treating physician?

12 MR. CUNNINGHAM: No. No. Where he has
13 testified in court, we're talking about right now.

14 MR. DUFFY: Well, I'm asking to clarify.

15 A Some or those are treating physicians. Some of
16 those are as other. I mean, I can't --

17 Q Okay. All right, -- before '83?

18 A I mean, I can't -- I don't want to be held,
19 you know, absolutely accurate. I mean, that's within the range.
20 Okay? It may have been, you know, one or two cases either
21 way.

22 Q May have been one or two more or one or two less?

23 A That's right.

1 3 Okay. But none before 1983?

2 A Again, you know, it may have been one. But
3 certainly it's not any more than that, or two. You know,
4 it's just -- you know, I just did not testify in court.

5 Q You what?

6 A I just did not testify in court a great deal.
7 I still don't testify in court a great deal.

8 All right. Now, let's take the 1563 cases. Tell
9 me the names of those cases.

10 A I really don't know them.

11 Q Well, tell me the physicians involved.

12 A I don't know those, either.

13 Q Tell me the medical institutions involved.

14 A Probably the University of South Alabama, and it's
35 either Providence or Mobile Infirmary.

16 Q Tell me the patients involved.

17 A You know, you didn't ask me to come prepared to do
18 this. So I can't -- to be accurate, I can't do it. I mean,
19 I'll tell you the ones in 1984 because I can remember those.

20 Q Well, I'm going to talk about the ones in 1903
21 right now. Do you know the names of any of those patients?

22 A No, I really don't.

23 Q All right. Tell me, did you testify in State

1 Court or Federal Court?

2 A I think they were all in State Court.

3 Q All right. Who was the lawyer representing the
4 medical provider?

5 A Do *you* mean in 1983?

6 Q 1983 is what I am taking about.

7 A Again, I can't -- to be accurate, I can't tell
8 you.

9 Q Was it anybody in Mr. Reeves firm?

10 A I don't know.

11 Q Tell me what the cases involved in 1983.

12 A Again, I don't know which ones they are, Mr.

13 Cunningham. If I did, I'd be glad to tell you. I have

14 nothing -- you know, no problem in telling you: I just don't
15 know which ones they were in 1983.

16 Q You don't remember anything at all about them
17 except that you showed up at the courthouse?

18 A I don't know which cases they were. So I can't
19 tell you the specifics. I'm not trying to be evasive. I
20 just don't know.

21 Q All right. For whom did you testify in those
22 cases?

23 A The -- do you mean which side?

1 Q Yeah.

2 MR. HOLMES: Who was he called by?

3 MR. CUNNINGHAM: He was getting ready to
4 answer it, so apparently he understood what I was
5 talking about.

6 MR. HOLMES: Well, I think there's a
7 difference between being called as an expert and a
8 treating physician.

9 MR. CUNNINGHAM:

10 Q Who did you testify for?

11 MR. HOLMES: Go ahead.

12 A Yeah. You know, again, since I don't know the
13 cases, I can't tell you whether I was the treating physician
14 or an expert. And I think those cases were all for the
15 defense.

16 MR. CUNNINGHAM:

17 Q Okay. And were you paid for your testimony?

18 A Certainly.

19 Q Who were you paid by?

20 A The attorney that retained me.

21 Q Okay. Were the physicians involved, if any,
22 insured by the Mutual Assurance Society of Alabama?

23 A I don't know, since I can't tell you which the

cases were.

2 Q All right. But you testified for the defense on
3 those cases in 1983?

4 A Yes.

5 Q And I take it you testified that all the doctors
6 involved did everything right?

7 A No, I don't know what-I -- you know, since I can't
8 tell you the cases, I can't tell you what I testified on.

9 Q Well, do you --

10 A I mean, nobody does everything right.

11 Q Do you remember whether or not you told them that
12 any of the local physicians involved in these cases were
13 negligent in their treatment of the patient?

14 A If I was testifying for the defense, I probably
15 did not say that.

16 Q Okay. Now, let's take your 1984 cases. Let's
17 start with the first one. Tell me about that one.

18 A That was a case of -- well, I guess that wasn't a --
19 that was a products liability case of a polio vaccine in
20 which I testified for the plaintiff.

21 Q A products liability case?

22 A Yes. It was a child who had received polio as a
23 result of obtaining the vaccine.

1 Q All right. What I am interested in are medical
2 malpractice cases.

3 A Okay.

4 Q Now, tell me what medical malpractice cases you
5 have testified in in 1984?

6 A I testified as a treating physician in the case of
7 a gentleman with a trigeminal neuralgia.

8 Q Who was the defendant in that case?

9 A The man -- it's a man from Atmore. I can't
10 remember his name.

11 MR. DUFFY: No, you --

12 MR. CUNNINGHAM:

13 Q Was it a doctor?

14 A I'm sorry. The defendant. Excuse me. Robert L.
15 White. Excuse me. I was confused.

16 Q And who represented Dr. White in that case?

17 A I & Duffy.

18 Q All right. And did you testify that Dr. White
19 acted consistent with the standard of care in his treatment
20 of that patient?

21 A Yes, I did.

22 Q What had happened to that patient?

23 A He had had trigeminal neuralgia and had had pain

I and was having a percutaneous procedure to relieve the pain.
2 And the pain apparently, you know, according to Dr. White,
3 shifted. And he did the side that he thought the pain was
4 on.

5 Q Actually what happened was he operated on the
6. wrong side, didn't he?

7 MR. DUFFY: That's not a correct statement.

8 Move to strike it from the record.

9 MR. CUNNINGHAM:

10 Q Wasn't it the plaintiff's contention that he had
11 operated on the wrong side?

12 A That was the plaintiff's contention.

13 Q And you testified that even if he did operate on
14 the wrong side, it didn't make any difference; is that right?

15 A No.

16 MR. DUFFY: That's not a correct statement.

17 A I don't think I said that, Mr. Cunningham.

18 MR. CUNNINGHAM:

19 Q What was the next case?

20 A That was -- let's see. I think maybe it was two
21 cases instead of three. The next case was a child with
22 meningitis.

23 Q What was the name of that case?

1 A It was Brian Horton.

2 Q Who was the lawyer that called you to testify in
3 that case?

4 A Mr. Reeves,

5 Q And did you testify in that case that the
6 defendant physician treated the patient consistent with the
7 standard of care?

8 A Well, that's what the facts revealed. And in my
9 impression, that's what he said.

10 Q And that's what you testified to?

11 A Well, that's what the facts revealed.

12 Q It was your opinion that that's what the facts
13 revealed; is that correct?

14 A That's correct.

15 Q Okay. And what was the condition of that child?

16 A The child expired.

17 Q From what?

18 A From a cardiopulmonary arrest and shock.

19 Q Secondary to what?

20 A Secondary to sepsis.

21 Q Secondary to what?

22 A Well, I mean, bacteria in the blood.

23 Q Okay. Did the child have meningitis?

- 1 A Yes.
- 2 Q Okay. What was the next case?
- 3 A I think that's all, to the best of my
4 recollection.
- 5 Q All right. Now, have you given depositions in
6 caees where you have been called to testify that relate to
7 medical nalpractice?
- 8 A Yes.
- 9 Q All right. How many did you give in 1984?
- 10 A Probably three or four.
- 11 Q All right. Tell me whet Cases.
- 12 A You know, it's going to be hard. I mean, I just
13 can't relate all these cases by memory. I mean, some of them
14 are relatea to these court cases here.
- 15 Q The ones you gnvce ne of Dr. White end --
- 16 A And there are -- some of them were for plaintiff's
17 attorneys, and some of then were for defense attorneys. So --
18 can't --
- 19 Q Which ones were for plaintiff's attorneys?
- 20 A The -- well, maybe that was in -- that vas a case
21 from Little Rock, Arkansas.
- 22 Q All right. Who was the lawyer that consulted you?
- 23 A Sidney McMath.

1 Q Did you know him before he called you or before he
2 contacted you?

3 A No.

4 Q All right. Where did you testify?

5 A Here in Mobile.

6 Q By deposition?

7 A Yes.

8 Q All right. And did Mr. McEach represent the
9 plaintiff?

10 A Yes.

11 Q What were the allegations in that case??

12 A There were allegations that the treating
13 physician, who was an obstetrician, inflicted inappropriate
14 trauma on the delivering child.

15 Q How?

16 A Well, by doing an inappropriate delivery.

17 Q Well, was it a forceps delivery or what was it?

18 A Well, you know, I can't tell you all the
19 specifics.

20 Q Okay. Well, did you testify in that case that
21 this doctor — was it a doctor in Little Rock or one down
22 here?

23 A I think in Morrilton, Arkansas.

1 Q Okay. Had you ever been to Morrilton?

2 A Yes.

3 Q What was the occasion for you being there?

4 A I lived in Little Rock, Arkansas.

5 Q All right. Did you go to medical school there or
6 just live there?

7 A I was in charge of child neurology at the
8 University of Arkansas.

9 Q Were you at the University or at Morrilton at
10 the time that the alleged event occurred in the case that you
11 are talking about?

12 A Yes.

13 Q All right. Did you have anything to do with the
14 care and treatment of that child?

15 A Yes.

16 Q All right. What was your role in the care and
17 treatment of that child?

18 A I treated the child for the problems resulting
19 from the injury.

20 Q Okay. Well, were you called to testify on your
21 follow-up care and treatment of the child, or were you called
22 to testify on behalf of the plaintiff that the doctor had
23 departed from the standard of care?

1 I A I was called to testify on behalf of the plaintiff
2 that he deviated from the standard of care.

3 Q Okay. And did you so testify?

4 A Yes, I did.

5 Q Is that case still pending?

6 A Yes.

7 Q Do you expect to testify in court in that case?

8 A If it gets to court, yes.

9 Q Did you know the doctor?

10 A No.

11 Q How much are you being paid in that case?

12 A Our fees are standard. It's a hundred dollars an
13 hour to review charts and two hundred dollars an hour for
14 depositions.

15 Q How about for court testimony?

16 A Same thing.

17 Q One hundred or two hundred?

18 A Two hundred dollars.

19 Q Two hundred? How much have you billed so far in
20 that case?

21 A I don't recall.

22 Q Don't have any judgment at all?

23 A No. I mean, I can't, you know, run around with

1 all of the cases and the figures and everything in my hand,
2 Mr. Cunningham. I just don't do that. Had you asked me to
3 come prepared, I would have been happy to do that.

4 Q All right. What was the other case you gave a
5 deposition in in 1984?

6 A A case in Jackson, Mississippi.

7 Q Who were you contacted by in that case?

8 A By -- let's see. It's a firm -- I just drew a
9 blank on his name. It's a large firm in Jackson. I'll think
10 of his name in just a minute.

11 Q Were you contacted by the plaintiff or by the
12 defendant?

13 A By the defendant.

14 Q And was the defendant in that case a physician a
15 hospital or what?

16 A It was a hospital.

17 Q What were the allegations?

18 A The allegations were that the hospital neglected
19 to observe a child who was a severely asphyxiated child and
20 that had hyperbilirubinemia, had an exchange transfusion, was
21 in an intensive care unit and a catheter became dislodged.

22 Q And what happened?

23 A The -- well, the child lost a very small amount of

1 blood but then subsequently had a cardiac arrest which was
2 unrelated to the blood loss.

3 Q That was the opinion you formed after you reviewed
4 the record?

5 A Yes.

6 Q I take it that the plaintiff had a different view
7 or that case than you did?

8 A Yes.

9 Q All right. But you did give a deposition?

10 A Yes.

11 Q Okay. What was the next one?

12 A I'm not sure there were any. There may be, but I
13 just can't recall whether there were any other cases. I
14 don't do this very often, Mr. Cunningham;

15 Don't do what?

16 A I'm in full time private practice.

17 Q Don't do what?

18 A Give depositions very often.

19 Q Well, have you given a lot more in the last couple
20 of years than you ever did before?

21 A Probably the same amount that I have always done
22 over the years.

23 Q Oh, really? Well, I thought you said you hadn't

1 ever even testified in court before '83?

2 A I didn't testify in court, but I've given
3 depositions.

4 Q So you've given three or four depositions a year
5 for a number of years?

6 A Probably six years.

7 Q Okay. And how many of those would be related to
8 medical malpractice cases?

9 A Three quarters.

10 Q Three quarters of them? All right. Well, tell me
11 every other medical malpractice case, other than the Little
12 Rock case, in which you have testified that a physician
13 deviated from the standard of care or that a hospital
14 deviated from the standard of care?

15 A Well, I've not given depositions in several.
16 However, there are several plaintiff's cases which I have
17 given opinions in and will testify in.

18 Q I'm going to ask you & that in a minute. I
19 want to take it one step at a time. I'm talking about
20 depositions now.

21 A I don't believe there have been any others.

22 Q Okay. And you've never testified in court that a
23 physician deviated from the standard of care?

1 A They just haven't gotten to court.

2 Q Is that all of the depositions you can recall in
3 1984?

4 A Again, you know, since this is, you know, a
5 discovery deposition one can be impeached with, I'll just
6 have to leave it, you know, the fact that I can't recall.
Now, there may be some more. And if there are, then I'll
8 stand corrected. I just, you know, can't remember then all.

9 Q Wait a minute. I didn't follow you. Since this
10 is a discovery deposition that you can be impeached with,
11 what?

12 A Well, my testimony can be impeached if I gave you
13 an inappropriate answer or wrong answer. So I just want to
14 clarify the fact that since I cannot recall it, since I was
15 not asked to come prepared, if there were one or two more or
16 one or one less, I don't want to be held responsible.

17 Q Oh, I understand,

18 Q That's what I'm talking about.

19 Q I understand that,

20 A Okay.

21 Q All right. Well, you've told me all you can think
22 of, at any rate, for '84; is that correct?

23 A Yes.

1 Q Now, tell me how many you can think of that you
2 gave in '83 in medical malpractice cases? Again, we're
3 talking about depositions.

4 A Again, I said the same number, three or four.

5 Q Three or four? Okay. Tell me what cases they
6 involve.

7 A I really just can't do it. I don't know.

8 Q Do you have records of this somewhere?

9 A If they are still pending, then I do. If they're
10 not, then I don't. We throw the files away.

11 Q So there would be no way for you or anybody else
12 to determine how many depositions you gave each year for the
13 last six years?

14 A I told you how many. Three or four per year.

15 Q Yeah. But you told me you may be off a couple,
16 didn't you?

17 A Em it make a lot of difference?

18 Q It does to me.

19 A Okay. No, I don't know that there would be any
20 other way.

21 Q All right. All right. Now, tell me how many
22 cases involving issues of medical malpractice that you have
23 been consulted in? And let's take 1984 first.

1 Q Did you express an opinion by report in that case?

2 A Yes, I did.

3 Q And what was your opinion?

4 A That the physicians deviated from the standard of
5 care in not applying appropriate resuscitative measures.

6 Q All right. What lawyer did you deal with?

7 A I don't know. One of his group.

8 Q Okay. And where was that doctor -- where does the
9 doctor practice that you formed an opinion about?

10 A At one of the Kaiser-Permanente Hospitals, I
11 believe, in California.

12 Q California? Had you ever practiced at that
13 location?

14 A No.

15 Q Are you expected to testify in that case either
16 by deposition or in court?

17 A I suppose.

18 Q Okay. Any other plaintiffs during 1984?

19 A Not that I can recall.

20 Q Okay. What defense attorneys were you consulted
21 by?

22 A By Mr. Keene.

23 Q Tommy Keene?

1 A Pes.

2 Q In Montgomery?

3 A Yes.

4 Q Did you give an opinion in that case?

5 A In terms of a report?

6 Q Yeah.

7 A No. I may have given a verbal opinion.

8 Q All right. What was it?

9 A Let me see if I can remember the case. I really --

10 it's hard for me to remember enough about the case to give

11 you an accurate --

12 Q Well, did you tell him you thought the doctor

13 deviated from the standard of care or not?

14 A I don't think I have all of the records yet. So I

15 can't tell you.

16 Q All right, What other defense attorneys?

17 A Mr. Gene Stutts.

18 Q there is he from?

19 A He's either from Birmingham or Montgomery, one or

20 the other.

21 Q And what was your opinion about that case?

22 A That case, again, was a neonatal infant case in

23 which the child was -- had a low Apgar at birth. And my

1 opinion was that I did not know what caused the child's
2 problems, but I could not see where there was any deviation
3 from any standard of care.

4 Q Okay. What other defense attorneys?

5 A Mr. Ton Stennis in Pascagoula.

6 Q All right. What was the nature of that case?

7 A Incidentally, that was — I did give a deposition
8 in 1984. That's the one I could not remember, okay, with
9 that case.

10 Q With Stennis in Pascagoula?

11 A Right.

12 Q Okzy. What was that case about?

13 A That case concerned a child that was — had an
14 absolutely normal birth, normal exam, went home at three days
15 of age and had encephalitis at four months of age.

16 And the allegation was that the child — that the
17 obstetrician had delivered this baby traumatically, despite
18 the fact that the child had Apgars of eight and nine, had
19 normal exam, went home at a normal time, had normal
20 development up until four months of age.

21 Q All right. And did you render an opinion that
22 there was no deviation from the standard of care?

23 A There wasn't any. - Yes.

1 Q In your opinion, there wasn't any?

2 A Yes,

3 Q Well, in some of these cases some of these people
4 don't agree with your opinion] isn't that true?

5 A I understand. That's true,

6 Q What other consults in 1984?

7 A I think that's it. If there is some more, I just
8 can't remember them right now.

9 Q How about 1983?

10 A I'm sure it's the same number. But again, that's
11 two years ago. I just can't tell you.

12 Q Well, did you consult with any Mobile defense
13 attorneys in 1984?

14 A Only the ones I have told you about, Mr. Reeves
15 and Mr. Duffy.

16 Q All right. Those were the only ones?

17 A Do you mean in terms of cases? Oh, I -- yeah. I
18 mean, I'm sorry. There is another case of Bridgett Roberts,
19 but I've never given a deposition. I've consulted with Mr.
20 Reeves in that case.

21 Q What is that case about?

22 A That's a child abuse case.

23 Q Well, does it involve medical malpractice or

1 allegations of medical malpractice?

2 A Yes.

3 Q Okay. Did you testify by deposition or just
4 consult with him?

5 A I think I just -- I'm trying to -- I don't know
6 whether I give a deposition in that Case or not.

7 Q Who was the defendant in that case?

8 A Bertucci.

9 Q Did you testify in court in the case?

10 A No.

11 Q And you don't know whether you gave a deposition?

12 A I can't really remember. I don't think I did in
13 that case.

14 Q Okzy. Any other Mobile attorneys that you now
15 recall having consulted with in '84?

16 A No.

17 Q All right. Now, you say you think you had about...
18 the number in 1963, consultations?

19 A (Witness nods head affirmatively).

20 Q How many did you have with plaintiffs' lawyers?

21 A I don't recall how many it were.

22 Q Any?

23 A I'm sure there were some. I mean if -- you know,

1 if -- I don't know.

2 Q Okay. . . .

3 Q 19821

4 A It's too far away.

5 Q All right. Have you had occasion to consult or
6 review records for any insurance company on issues of medical
7 negligencce?

8 A Yes.

9 Q What insurance company?

10 A St. Paul's.

11 Q Any other?

12 A No.

13 Q All right. How many times have you had occasion
14 to review records for St. Paul's?

15 A Two occasions.

16 Q When were those?

17 A Just -- either late 1984 or 1965.

18 Q And how did that come about? Who were you
19 contacted by?

20 A One of their claims representatives.

21 Q All right. And did you submit reports on those
22 cases?

23 A Verbal reports.

1 And did you conclude that there were any
2 deviations from the standard of care?

3 A I think both of them, I don't have all of the
4 information yet.

5 Q All right. Any other insurance company that you
6 have consulted for involving medical negligence?

7 A No.

8 Q Are you on a committee of any insurance company
9 that reviews cases?

10 A No.

11 Q Do you hold any position with any insurance
12 company other than as an insured?

13 A No.

14 Q Either official or unofficial, any kind of
15 capacity other than just being an insured?

16 A That's it.

17 Q Okay. Are there any other cases that you have
18 been consulted on where you have been named as an expert
19 witness by the defense, someone who they expected to call to
20 testify?

21 A I suspect only the Bridgett Roberts case.

22 Q Okay. You don't know of any others?

23 A Well, I mean, if I do, I can't recall then.

1 Q Okay. How about one over in Mississippi involving
2 a spastic quadriplegic child who suffered from spinal
3 meningitis, do you have a recollection about that?

4 A That was the case that you were involved in. Yes,

5 Q Yeah.

6 A But I didn't give a deposition. But I guess he
7 was named in that case.

8 Q And you're expected to testify in that case
9 sometime in the future; is that right?

10 A I thought the case was over. But I -- you know --

11 Q Well, if it's not over, do you expect to testify
12 in that case?

13 A Nobody's contacted me, so I don't know.

14 Q Okay. Have you ever had occasion to testify in
15 the State of Alabama that a physician who lived and practiced
16 in the State of Alabama deviated from the standard of care?

17 A I don't think I have been asked.

18 Q Well, have you ever done it, even though you may
19 or may not have been asked?

20 A No.

21 Q All right.

22 A I mean, I'm Sure, if I were asked, and that was
23 the case, that I would have to give an honest opinion.

1 Okay. Do I understand, then, that if you were
2 asked to testify against a doctor here in Mobile, and if you
3 were of the opinion after reviewing the records that that
4 doctor had deviated from the standard of care, that you would
5 have no hesitation whatsoever to testify?

6 A That's correct.

7 Q All right. Well, have you ever had occasion to
8 bring pressure to bear either directly or indirectly against
9 another physician who was expected to testify against a local
10 doctor?

11 A No.

12 Q Never have?

13 A No.

14 Q All right, sir. Have you ever gone to the
15 superior of a doctor who was expected to testify against
16 another Mobile physician and had a discussion with that
17 doctor's superior in an effort to bring pressure to bear on
18 that doctor?

19 A No.

20 Q Have you ever suggested to a doctor who was
21 expected to testify in a medical malpractice case against a
22 local doctor that it would not be good for his career to do
23 that?

1 A No.

2 Q Never said that to anybody?

3 A No.

4 Q Okay. Have you ever conveyed for a one doctor to
5 another doctor who was expected to testify against a local
6. physician that he should understand that it would not be good,
7 for his career to do that?

8 A What are you referring to, Mr. Cunningha?

9 Q I'm asking you if you have ever done that. . . .

10 A The -- I think the case that you are referring to
11 was in Judge Kittrell's court in which I was accused of that.
12 However, we were investigated by the DA and there were no
13 allegations made.

14 Q Well, my question is, did you ever do that?

15 A No, I didn't.

16 Q You did not?

17 A No. That's correct.

18 Q All right, sir. Now, have you ever expressed an
19 opinion that medical malpractice cases should not be brought
20 by plaintiffs?

21 A Do you mean just in discussion or in print or
22 what?

23 Q Just in discussion, or in print.

1 A . No. I think everyone has the -- you know, the
2 justified right to bring suit if they feel that there &as
3 been harm.

4 Q Okay. And you've never made a contrary statement?

5 A Not to my knowledge. I mean, I would do the same
6 thing if I thought harm was inflicted on me.

7 Q Okay. Have you ever made the statement that
8 lawyers who represent plaintiffs in malpractice cases were
9 destroying the medical profession?

10 A No, I don't think so. I think that honest,
11 conscientious lawyers that review cases appropriately, there
12 obviously is a place for that.

13 Q So you've never made a statement to the effect of
14 that that I just nentioned?

15 A No, not to my knowledge, no.

16 Q Well, you smile when you say chat..

17 A No, I'm not smiling.

18 Q Okay. So you've never said that?

19 A Well, not to my knowledge, no.

20 Q Have you ever made a statement to the effect that
21 lawyers who represent plaintiffs in medical malpractice cases
22 are taking money out of the pockets of physicians?

23 A No.

1 Q Never made a statement to *that* effect?

2 A No.

3 Q Okay. When were you first contacted about this
4 case?

5 MR. HOLMES: Do you mean for treatment or
6 what? Do you mean for treatment or --

7 MR. CUNNINGHAM:

8 Q Let me put it this way. When were you first
9 contacted about being an expert witness in the upcoming
10 medical malpractice trial?

11 A Sometime in 1984.

12 Q Okay. Who contacted you?

13 A Mr. Duffy.

14 Q All right. How were you contacted?

15 A By telephone.

16 Q Tell me the substance of the conversation.

17 A He stated that I was the treating physician who
18 took care of Andrew Hinkle and asked me if I would review the
19 chart and give him an opinion as to what I thought happened
20 to the child.

21 Q Okay. And did you review the chart?

22 A Yes, I did.

23 Q How did you receive the chart?

1 A With copies from Mr. Duffy's office.
2 Now, that was when in 19643
3 A I'd have to go back and look at the exact -- I
4 suspect either in the spring or the summer of last year, at
5 the time that it was apparently going to court before.
6 Q All right. Now, prior to that time, how long had
7 it been since you had reviewed Andy Einkle's chart?
8 A I guess since the fall of 1980 when he left to go
9 to Father Walter's Hae.
10 Q So at least three years than?
11 A Yes.
12 Q After you had that initial conversation and got
13 the chart, tell me what you next did.
14 A You know, I reviewed the chart and reviewed all of
15 the depositions which accompanied it at that time.
16 Q What depositions did you review?
17 A I can tell you how many I reviewed up until today,
18 I don't know at that time how many -- you know, which ones --
19 Q All right. Tell me what you reviewed up until
20 today.
21 A I've got my list prepared --
22 Q Go ahead.
23 A -- so we could be accurate. Francis Mason, Nell

1 Maisel, Charles Lilly, Debra Ayres, Richard Glascoe, Sharon
2 Gaston, Jessie Fogarty, Madelyn Bivins, Carmen Anderson,
3 Phyllis Wells, Peter Bertucci, Nancy Mitchell, Patricia
4 Wallace, Yolanda Spicer, Shirley Roberds, Wesley Hinkle,
5 Debra Hinkle, Carolyn Matthews.

6. Q John McAtee?

7 A John McAtee. Excuse me.

8 Q All right. Now, which of those did you get most
9 recently?

10 A Well, the ones that were given most recently, John
11 McAtee and Dr. Bertucci.

12 Q Okay. So you had all the other ones back in 1984
13 when you --

14 A I guess. I mean, I don't know which ones I had
15 then or not.

16 Q After you reviewed those, what took place next
17 insofar as your contact with Mr. Duffy?

18 A I met with Mr. Duffy.

19 Q And what did you tell him?

20 A Well, we discussed the case and I gave him my
21 opinion.

22 Q What opinion did you give him?

23 A Well, the one that I expressed to you.

1 Q Same one, two, three?

2 A I don't recall whether it was one, two, three. We
3 discussed a lot of the aspects of the case and -- but that
4 was the basic conclusion.

5 Q All right. Now, prior to that time, I take it you
6. had held the same opinion essentially?

7 A You know, give or take, you know, certain facts
8 that were not present at that time. I mean, obviously, a
9 case continues to evolve. And as more facts come through,
10 one has to assess those.

11 Q Well, did you ever prior to 1984 have on your list
12 of probabilities something char. you haven't told me about
13 that you have now removed from the list?

14 A You know, I don't know whether I did or not. I
15 mean, my notes would have to reflect that. If I did, then
16 obviously I've removed - E D .

17 Q Well, tell me what other probabilities you had on
18 your list at some other point in time, if you could?

19 A I don't think I did.

20 Q Okay. Is there any other information that you
21 felt like you needed in order to reach the opinion that you
22 have told me about?

23 A No. You know, I think that there was just more

1 accurate observation in some of the depositions by the
2 Hinkles and by some of the nurses which I just wasn't aware
3 of because I didn't have an opportunity to talk to everybody.
4 Was aspiration of barium ever on your list?
5 A As a cause of this?
6 Q Yes.
7 A Bo.
8 Q Never has been?
9 A No.
10 Q Okay. You never considered that as a possible
11 cause of this child's problems?
12 A Yeah, certainly I considered it. But there was no
13 barium in the lungs.
14 Q Okay. So did you immediately rule that out as a
15 possibility?
16 A Well, I mean, I think it is ruled out. If there's
17 no barium, there's no barium.
18 Q My question is, did you immediately rule that out
19 as a possibility?
20 A Yes.
21 Q Okay. So you thought about it then ruled it out
22 because there was no barium in the films?
23 A Yes.

1 Q Okay.

2 A Could we just take a short break for just a
3 minute?

4 MR. CUNNINGHAM: Sure.

5 (Short Break;)

6 MR. CUNNINGHAM: How about reading back that
7 last one for me.

8 (Previous question and answer read back
9 by the reporter.)

10 MR. CUNNINGHAM:

11 Q Now, did you have any contact with any other
12 attorneys about this case other than Mr. Duffy?

13 a Yes. Mr. Holmes and Mr. Leach.

14 Q All right. When did you talk with them?

15 A Sometime during the summer.

16 Q All right. Where did that conversation take
17 place?

18 A In my office.

19 Q All right. How did you happen to be talking to
20 them?

21 A Well, because they asked me if they could talk to
22 me.

23 Q All right. Tell me the substance of that

1 discussion.

2 A It was similar to Mr. Duffy. In fact, I think Mr.
3 Duffy was at the same meeting.

4 Q What do you mean it was similar?

5 A Well, I mean, I expressed the same things.

6 Q Okay. Did you discuss the subject of whether or
7 not the stomach should have been emptied of barium?

8 A I don't recall that they asked me that.

9 Q Okay. Well, was it the standard here in Mobile in
10 August of 1976, when you did an upper GI on an infant with
11 pyloric stenosis, to empty the stomach of barium?

12 A The standard by the radiologists?

13 Q Yeah.

14 A The -- you know, no, I don't think so. I think
15 that everybody -- I mean, there are multiple ways to do it.,
16 And I don't think that there is any standard in terms of
17 emptying the stomach of barium as long as it will go through.

18 Q Okay. Have you ever expressed the opinion or made
19 the statement that it was standard to empty the stomach of
20 barium?

21 A Have I?

22 Q Yes, sir.

23 A No.

1 3 Never have said that to anybody?

2 A NO.

3 Q Okay. And you never have talked to any other
4 lawyers about this case?

5 A NO.

6 Q At anytime?

7 A Not to my knowledge.

8 Q Okay. When did you first see Andy Hinkle during
9 the course of his care and treatment?

10 A Can we get the -- I'd just have to be accurate
11 about the date. Can we get that?

12 MR. CUNNINGHAM: Have you got a set he can
13 look at?

14 A 8-23-78.

15 Q And how did you have occasion to see Andy on that
16 date?

17 A I was asked to see him by one of the physicians,
18 whether -- I think it was Dr. Roberts or Dr. Erwin. I can't
19 remember.

20 Q Okay.

21 A We could look at the orders if you want.

22 Q Prior to that time, had you seen him at all?

23 A No.

1 Q Hadn't been involved in any way in his care
2 and treatment prior to that time?

3 A Not to my knowledge.

4 Q At the time you were consulted in this case, did
5 you have any conversations with the treating physicians
6 concerning the events surrounding his cardiac arrest?

7 A Again, that's six years ago. I don't know whether
8 they called me on the phone and told me what happened or
9 whether it was a consult written and I went to the hospital.
10 I really can't recall.

11 Q Okay.

12 A I Dean, I know I talked with them afterwards. I
13 saw the patient.

14 Q Okay, Do you recall discussing with any of the
15 treating physicians the subject of laryngospasm?

16 A You know, I'm sure, since that was a
17 consideration, we did discuss it. You know, again, I can't
18 recall specifically.

19 Q What role, if any, in your opinion, did
20 laryngospasm play in this child's arrest?

21 A What do you mean by what role?

22 Q Did it have anything at all to do with it? Did he
23 have laryngospasm?

- 1 A NO, I don't think Le manifested any of the
2 clinical manifestations of laryngospasm.
- 3 Q Okay. Is laryngospasm caused by a metabolic
4 imbalance of electrolytes?
- 5 A Yes, I suppose it can be,
- 6 Q Okay. And if it is caused by that, what course
7 would you expect to see the laryngospasm to take?
- 8 A Are we talking hypothetically, unrelated to this
9 case, just ordinary laryngospasm?
- 10 Q Yech. Caused by metabolic imbalance.
- 11 A Okay. Which metabolic imbalance are you referring
12 to?
- 13 Q Metabolic abnormality of electrolytes like the one
14 you believe caused this problem.
- 15 A No, it doesn't do that.
- 16 Q Doesn't do that?
- 17 A Hypokalemia can cause a laryngospasm, but this
18 child didn't have hypokalemia.
- 19 Q Okay. So the kind of metabolic abnormality that
20 you told me about earlier you would not expect to produce
21 laryngospasm?
- 22 A No.
- 23 Q How about vasovagal response resulting in

1 severe prolonged bradycardia, would you expect that to
2 produce laryngospasm?

3 A I wouldn't expect it. But I mean, if it's stated
4 that it occurs, I suppose anything is possible.

5 Q Okay. How about a possible anomalous coronary
6 artery resulting in cardiac stress or myocardial infarction,
7 would that produce laryngospasm?

8 A No.

9 Q All right., Now, why were you called in to see
10 Andy?

11 A 'Because the child had suffered a cardiac arrest
12 and had -- was having seizures anti was comatose.

13 Q And did you say that the type of brain damage he
14 had, in your opinion, was anoxic encephalopathy?

15 A Yeah. I believe I used that term. And I use a
16 number of other terms interchangeably, hypoxic ischemic or
17 hypoxic.

18 Q Tell me what the term anoxic encephalopathy means.

19 A It means lack of oxygen going to brain, and often
20 lack of blood flow, resulting in a diffuse involvement of the
21 central nervous system.

22 Q All right. Did Andy, in your opinion, suffer a
23 respiratory arrest?

1 A They usually go hand in hand, yes.

2 Q Did he suffer cardiac arrest before or after the
3 respiratory arrest?

4 A Well, that's a difficult question. But by taking
5 and putting all of the pieces together with the family's
6 observation and the nurses observation, my opinion is that he
7 suffered a cardiac arrest then a respiratory arrest.

8 Q Okay. And when he suffered the cardiac arrest,
9 would that mean that the heart would not be pumping blood to
10 the brain?

11 A That's correct.

12 Q All right. Under that circumstance, how long
13 would you expect it to take to produce the kind of brain
14 damage that he had, in an infant like Andy?

15 A So we're talking about Andy?

16 Q Right.

17 A Correct? And in this situation, you know, I don't
18 think there are any absolute figures, Mr. Cunningham. All we
19 can do is extrapolate from animal data. And young children
20 and young animals can sustain periods of hypoxia and ischemia
21 for an extended period of time without necessarily having
22 permanent brain damage.

23 The -- so, you know, it's not a similar situation

1 as to adults. Now, whether it's five minutes, ten minutes,
2 twenty minutes, again, it depends on the amount of blood
3 flow. It depends on the amount of lack of oxygen. It just
4 depends on a number of variable factors.

5 Regardless of the amount of time -- which in this
6: case, it has to be more than a -- you know, second or
7 minutes, because young infants can tolerate it a great deal
8 longer. And, you know, the animal data which is extrapolated --
9 and I think the best study is by Mr. Duffy and -- I mean Dr.
10 Duffy and Dr. Venuchi -- no relationship -- in which
11 newborn rats can tolerate periods of hypoxia by breathing
12 nitrogen up to twenty minutes.

13 And while you can't necessarily extrapolate animal
14 data to human data, we can make some correlation.

15 Q Okzy. Well, I understand you can't be exact. Is
16 there a range that you would give?

17 A You know, again, it depends. There's no way to
18 know, because you don't know how much lack of blood flow and
19 how much lack of oxygen occurred and over what period of
20 time. Whether, for example, in this particular situation,
21 had he been -- become bradycardic for several minutes, okay.

22 And then the first clinical manifestation would be
23 have been a cardiac arrest, because they don't necessarily

1 stop breathing. So that may well have been the case. And it
2 may have been three, four or five minutes.

3 The 'other situation would be that he had a cardiac
4 arrhythmia. It takes anywhere from, you know, a minute to
5 two minutes to have lack of blood flow going to the brain.
6 Whether that results in permanent brain damage depends on how
7 quick the resuscitation occurs.

8 Q All right. Well, if you have a prompt
9 resuscitation that's properly done where you've had
10 bradycardia in a child like Andy, less say for two minutes,
11 with a cardiac arrest, would you expect to see brain damage?

12 A You certainly can, sure.

13 Q All right. Would you expect to see it to the
14 extent that Andy had at?

15 A Yes.

16 Q All right. And that statement would be supported
17 by the literature, I take it? —

18 A Yes.

19 Q Okay. How much time, in your opinion, elapsed
20 between the time of Andy's cardiac arrest and the time that
21 Andy suffered the anoxic encephalopathy?

22 A Now, the anoxic encephalopathy is the term
23 referred to the clinical condition at a period of time after

I two or three minutes of bradycardia then an arrest and an
2 immediate prompt and proper resuscitation, tell me how that
3 infant suffers severe brain damage?

4 A Okay. So you're asking what the mechanism is that
5 occurs?

6 Q Yeah.

7 A Okay. Well, you know, if it's my hypoxia, okay,
8 or just lack of oxygen, then it would be unlikely that that
9 would have occurred in this case. And --

10 Q That what would have occurred?

11 A That there would have been any significant brain
12 damage. But most certainly -- and we have it verified in
13 here that the child suffered ischemia by the subsequent brain
14 damage. And that's lack of oxygen and blood flat -- and
15 that's the key -- going to the brain:

16 When the blood flow does not go to the brain for
17 even a period of one, two, three minutes, then the brain
18 suffers irreparable damage. And that irreparable damage is
19 when the endothelial cells of the blood vessel swell. And
20 then there's extracellular potassium which also contributes
21 to the swelling. And then the glial cells swell, and you
22 cannot re-establish blood flow even if you are there pumping
23 on the heart or giving any type of extra cardiac support.

1 It's called the No Reflow Phenomenon of Ames. And
2 it's a very common situation. And that accounts for why some
3 people who have suffered cardiac arrest who appear to have
4 paramedics there or competent people there and they do an
5 appropriate resuscitation cannot necessarily make a person
6 free of injury.

7 Q Well, now, let me be sure I understand what you
8 are telling me. Is it your testimony that he suffered the
9 brain damage after the resuscitative effort and after his
10 heart rate had been established?

11 A No. I mean, you suffer the injury to the brain -
12 and let's again be specific - the hypoxia and ischemia,
13 causing the brain, not just -- I don't like the term brain
14 very much.

15 Q When did he suffer that injury to the brain?

16 A When he lost the oxygen and the blood flow to the
17 brain, when he had his cardiac arrest.

18 Q All right. Well, doesn't that have to persist for
19 some period of time before it causes damage to the brain?

20 A Yeah. It's variable. It did persist for some
21 period of time.

22 Q Okay. And I believe -- was the range you gave
23 earlier -- I thought you used the term up to twenty minutes?

1 A That's in rats.

2 Q Well, how about in people?

3 A I don't think we know that. All we can do is
4 correlate it. I can tell you from twenty years of practicing
5 neurology and seeing babies, seeing hypoxic ischemic
6. encephalopathy, that it can occur anywhere from one to two
7 minutes up to twenty minutes to thirty minutes.

8 Again, it depends on the host, the situation and
9 a number of other factors. And if you had all those numbers
10 you could plug in, I could give you a specific answer.
11 But we don't know when people have cardiac arrest, such as in
12 this case, it's not anticipated.

13

14

15 to see brain damage, if not resuscitated, within X number of
16 minutes?

17 A No, not that I know of.

18 Q You wouldn't expect to see that anywhere?

19 A I'm not aware of that data.

20 Q Is there such a range given in adults?

21 A I mean, there's always a range. But I don't think
22 there's any textbook that will tell you if X person is
23 without oxygen and blood flow for a period of time what type

1 of brain damage he suffers. I don't know of that text.

2 Q Will the textbooks give you a range where a person²ⁿ
3 suffers anoxia for X number of minutes, you can expect to see
4 brain damage?

5 A Not anoria. Not just anoxia. If you want to say
6 just anoxia, then fine. It may be five, ten, fifteen, twenty^Y
7 minutes. But if it's anoxia and ischemia, then it's a much
8 shorter period of time.

9 Q Okay. Then explain to me the difference between
10 anoxia and ischenia.

11 A Okay. We'll do it one more time. Anoxia is lack
12 of oxygen going to the brain. Okay. Ischenia is lack of
13 blood flow. And those are two very different things. You
14 can be hypoxic or anoxic without being ischemic, such as
15 people with chronic obstructive pulmonary disease.'

16 Q Well, okay. In your judgment, was -- Andy's
17 cardiac arrest produced ischemia --

18 A Yes.

19 Q -- and anoxia?

20 A Yes.

21 Q Okay. Well, if the heart rate is immediately
22 restored, doesn't that relieve the problem of ischenia?

23 A Well, yeah. It relieves the problem, but the

1 damage had already been done. That damage to the endothelial
2 cells is unpredictable.

3 Q Is that done immediately, then?

4 A Yes, it is. Now, some children and some
5 individuals may not have the response another individual
6 does. We don't know what makes that biological variability.
7 It's like an individual that has a heart attack and he's
8 resuscitated successfully, and the next person in which they
9 do exactly the same thing is not resuscitated successfully.

10 I don't know how to tell you what the difference is,

11 Q All right. Do you have reported cases in the
12 literature that you are familiar with where a child with
13 pyloric stenosis suffers a cardiac arrest and is immediately
14 resuscitated, properly resuscitated, and suffers the kind of
15 brain damage Andy did?

16 A Hypothetically and unrelated to this case?

17 Q No, I'm asking you about case reports in the
18 medical literature.

19 A You know, the British Medical Journal in the early
20 '40's and early '50's have a number of cases of complications
21 of pyloric stenosis. I'd have to go back and pick out a
22 single case of somebody that had a cardiac arrest. I don't
23 know.

1 3 Wel --

2 A hnd -- but see, you're taking about thirty years

3 ago when we don't have the cardiopulmonary resuscitation that

4 we have now. But in terms of 1985, no, I know of -- and

5 that's not because it may not exist, I just don't review that

6 literature currently.

7 Q So you know of no such case in the literature?

8 A Yeah. But that doesn't mean it doesn't exist.

9 Q All right. All I'm trying to find out is whether

10 you know. And I think you told me you don't; is that

11 correct?

12 A That's correct.

13 Q Have you been personally involved in the care and

14 treatment of any infant with pyloric stenosis who suffered a

15 cardiac arrest, was immediately and properly resuscitated,

16 who suffered severe brain damage such as Andy-did?

17 A NO.

18 Q Okay. Have you ever been involved in the care of

19 an infant who had pyloric stenosis who came into the hospital

20 severely dehydrated, suffered a cardiac arrest a few hours

21 later and brain damage?

22 A You're talking about a hypothetical case or --

23 Q Yeah,

11 A -- a case that I have been involved in unrelated
22 to this?

33 Q Case that you have been involved in.

44 A We're not talking about this case.

55 Q No.

66 A Because that's not the appropriate description of
77 this case.

88 Q I understand that you don't describe this case ..
99 that way.

100 A No.

111 Q Okay. What would be the appropriate treatment for
122 a child four to six weeks of age with pyloric stenosis who ..
133 was brought into the hospital in a severely dehydrated ..
144 condition?

155 A A hypothetical case?

166 Q Yeah.

177 A Okay. well, I mean, one would have to assess the
188 degree of dehydration.

199 Q What if it was severe?

200 A What do you mean by severe, five, ten, fifteen,
211 twenty percent? What are you doing, basing it on weight, are
222 basing it on kilos, basing it on electrolyte disturbance?

23 Q Well, do you use the word severe in your medical

1 practice?

2 A Yes, but I qualify it. I don't just say severe.

3 I qualify it, severe due to,

4 Q All right. Well, how about if it's severe due to
5 dehydration?

6 A No, that's not good enough. Due to dehydration
7 based on what, weight, kilos, electrolytes?

8 Q So you don't understand -- when I use the term
9 severe dehydration, you just don't understand the meaning of
10 it?

11 A No, no. I understand the meaning, Mr. Cunningham.
12 But it's not specific, okay? And I can't give you an answer
13 unless you want to be specific. Now, if you want to give me
14 some specifications, we'll do it. Again, we're here to be
15 accurate and give you the most information that I can.

16 Q So if a medical student out at the University came
17 up to you and said, you know, I've got an infant who's
18 severely dehydrated, you wouldn't be able to tell him
19 anything about how to treat the infant until you had all the
20 specifics?

21 A Yes, I would. But I'd ask him, I'd say Mr. So and
22 So, you've told me this child is severely dehydrated, what
23 did you base that on: did you base it on skin turgor, did you

1 base it on your clinical exam, did you base it on weight
2 loss, did you base it on electrolyte disturbance. And then
3 as a medical student, he said, yes, I based it on this. Then
4 I would tell him what to do.

5 Q Okay, Well, you didn't know -- if you assume that
6 Andy suffered from severe dehydration exhibited only by his
7 admitting lab reports, can you tell me what you would do
8 then?

9 A Now we're talking about -- again, this is --

10 Q Hypothetically.

11 A -- hypothetically, and an assumption. Okay?
12 Because he wasn't.

13 Q Right. I agree with you.

14 A His electrolytes were normal. Okay?

15 Q Okay.

16 A He did have some dehydration.

17 Q All right.

18 A Okay.

19 Q Was it severe, moderate or mild?

20 A I'd have to say it was mild to moderate.

21 Q Okay.

22 A Now, what is your question?

23 Q My question was, if he had severe dehydration --

1 exhibited only by his lab repts, what would you Bo?

2 A Well, you know, his lab reports didn't reflect the
3 severe dehydration.

4 Q I'm asking you a hypothetical.

5 A No, I know that, But it still even hypothetically
6 didn't reflect a severe dehydration. I would have done
7 nothing differently than these doctors did.

8 Q What is the treatment for an infant who is
9 severely dehydrated who has good skin turgor, and who's --
10 the only indication tnat they are severely dehydrated are the
11 lab findings?

12 A Okay. What are the lab findings?

13 Q I don't know. You tell me some that would
14 indicate severe dehydration.

15 A Well, a sodium of a hundred eighty.

16 Q Okay.

17 A Okay. Yes; well, in that case I would give an
18 electrolyte solution which was low in sodium at a slow period
19 of time depending on whether -- first of all, whether the
20 child was in shock.

21 If the child was nor in shock, then I would not
22 give anything or an acute basis. But you have to be careful,
23 with that particular type of dehydration, a hypernatremic

1 dehydration, you give one set of electrolyte solutions.

2 Because there are serious complications that may result if
3 you do otherwise.

4 Now, if it's a hyponatremic dehydration where the
5 sodium is one fifteen, then you have to give hypertonic
6 saline. And you give it based on the weight loss, based on
7 the amount of dehydration at the -- after deciding upon the
8 rate.

9 Q Okay.

10 A So it's variable, and you don't use one piece of
11 information. That's, I think, the point I'm trying to get
12 across is that, you know -- I'm not boring you, am I?

13 Q Not a bit.

14 A Okay. The -- you know, so medicine is not
15 practiced by a single test alone.

16 Q You mentioned shock. How about if on admission
17 you're worried about the child -- going into shock; what would
18 you do?

19 A Well, I would look at the child, I would take his
20 vital signs, as was done in this case, and check the
21 responsiveness, and -- as done in this case. And then if I
22 felt that the child was stable, I would proceed as I, you
23 know, would ordinarily with any child.

1 Well, I take it it's your opinion, after reviewing
2 all these records, that everybody involved in the medical
3 care of Andy Hinkle did everything right, is that correct?

4 A You know, I don't want to make blanket statements.
5 What are you referring to?

6 Q Well, tell me anything that was done by any of the
7 medical personnel -- based on your review of the depositions,
8 and the chart, tell me anything done by anybody that you
9 consider to have been improper?

10 A Well, you know, I think there are many ways to do
11 many things. I don't think the way this child was managed,
12 given the clinical data and given the clinical situation, was
13 improper. And to the contrary, if the doctors were not there
14 and the anesthesiologist was not there, the baby wouldn't
15 have survived.

16 Q But my question is, is it your opinion that
17 anybody involved in his care and treatment at anytime
18 reflected on this chart or in the depositions did anything
19 improper?

20 A Not in my opinion, no.

21 Q Okay. And so what we had then was an infant with
22 pyloric stenosis admitted to the hospital who goes on to
23 suffer a cardiac arrest five hours later and ends up severely

1 brain damaged; is that right?

2 A Yes.

3 Q And it was totally unavoidable, totally
4 unpredictable, and everything was done right, correct?

5 A Yes. The complication of the cardiac arrest, from
6 what I can determine from the records and the assessments --
7 and that's all we have, okay? "

8 We have -- you know, other people that see a
9 situation may see it differently. But as recorded in the
10 chart, and as recorded in the depositions and as recorded by
11 the observations of the family, then I have to say everything
12 was done appropriately.

13 Now, why this child had a cardiac arrest is -- you
14 know, again, is an unfortunate complication. It happens in
15 children that are ill. If we knew that it was not -- that we
16 could anticipate it, then obviously these doctors, as well as
17 anybody else, would have done something differently. But
18 there's no way to anticipate it.

19 Q What is the mortality rate in children with
20 pyloric stenosis?

21 A Well, you have to, again, give me the specifics.

22 I mean, is it a child with a potassium of one or is it a
23 child with a potassium of five or is it -- you know, you just

1 can't make a blanket statement.

2 Q Don't numerous medical articles make such a
3 blanket statement?

4 A They usually clarify what they are talking; about,
5 where *they* get their data.

6 MR. HOLMES: You're taking into account that
7 such a child had a cardiac arrest under these
8 conditions?

9 MR. CUNNINGHAM: I don't know what you are
10 talking about.

11 MR. HOLMES: Do you mean with pyloric stenosis
12 standing alone?

13 MR. CUNNINGHAM: I don't know what you are
14 talking about.

15 Q Tell me what you understand to be the mortality
16 rate, as reflected in the medical articles and-textbooks, of
17 pyloric stenosis in children? --

18 A Which one are you talking about? I have to see
19 the source of what the data --

20 Q So you don't know then?

21 A Right.

22 Q Okay. Have you had occasion to treat a lot of
23 children with this condition?

1. A When I did general pediatrics, yes.
2. Q When was that?
3. A In 1970 through 1976.
4. Q All right okay. Since 1976, hat many children
5. with pyloric stenosis have you treated?
6. A None.
7. Q All right. And then between '73 and '76 what were
8. you doing?
9. A '70 to '76.
10. Q '70 to '76, what were you doing there?
11. A "I was doing an infectious disease fellowship and
12. doing a pediatric and -- internship and residency, as well as
13. a neurology residency.
14. Q All right. Did you have occasion to treat
15. children with pyloric stenosis?
16. A Yes.
17. Q All right. How many of the children that you were
18. familiar with during that period of time with pyloric
19. stenosis came into the hospital and died five hours later
20. from a cardiac arrest?
21. A I don't recall any. But there was certainly a
22. significant morbidity, depending on -- we were in a referral
23. center at Washington University in St. Louis. And we saw

1 many sick children.

2 It was a very active gastrointestinal service. so
3 the children that were admitted with pyloric stenosis there
4 were usually quite ill. So there was a significant
5 norSidity.

6 Q What do you mean usually quite ill?

7 A Well, they usually had a severe electrolyte
8 disturbance, they usually were either dehydrated or
9 hypokalemic, they had a number of other problems.

10 Q And when they came in like that, what did y'all do
11 for them?

12 A Well, depending on what was the matter with them.

13 Q Well, how many of them who came in with a severe
14 electrolyte disturbance with pyloric stenosis did you have
15 die that you were caring for?

16 A I don't recall. I'd have to go back and look at
17 then, look at the records and --

18 Q Do you recall any?

19 A I don't know. I just don't know.

20 Q Okay. Now, you made notes throughout the course
21 of your care and treatment of Andy, didn't you?

22 A Yes.

23 Q And is it true or not that the physicians involved

1¹ in his care were concerned about what had caused his cardiac
2² arrest?

3³ A Yes, I'm sure they were.

4⁴ Q All right. Did you tell your opinion about
5⁵ what caused it?

6⁶ A We discussed it. You know, I -- that's six years
7⁷ ago. I mean, we discuss it all the time.

8⁸ Q Well, did you tell them your opinion?

9⁹ A I'm sure I did tell them, yes.

10¹⁰ Q Did you ever enter anywhere in the chart your
11¹¹ opinion about what caused his cardiac arrest?

12¹² A No. I wasn't asked to do that at that time.

13¹³ Q Well, you never entered it anywhere in the chart,
14¹⁴ though, did you?

15¹⁵ A No.

16¹⁶ Q Did anybody enter anywhere in the chart the cause
17¹⁷ of his cardiac arrest as you have described for us earlier?

18¹⁸ A Do you mean as I've put it in this fashion?

19¹⁹ Q Yes.

20²⁰ A No -- I don't know. Probably not, because it's my
21²¹ opinion.

22²² Q Uh-huh. Well, is there anybody else that agrees
23²³ with you?

1 A Oh, I'm sure that there are several people that
2 agree with me. I mean...

3 Q Who -- besides the lawyers, what doctors?

4 A Well, okay. I won't respond to that.

5 But the -- you know, Dr. McAtee.

6 Q He agrees with you? --

7 A I think he agrees with me, yes.

8 Q Okay. Do you agree with him?

9 A Well, on certain things. You know, he's a
10 pulmonologist and an adult practitioner and does not have as
11 much experience as a pediatrician or a neurologist does with
12 small children.

13 So I think he interprets certain data differently
14 than I would. And the -- you know, I've not talked to each
15 one or those doctors in detail about their opinions. So, you
16 know, generally speaking, I think that we all feel that the
17 child had a cardiac arrest.

18 Q Well, I'm talking about the cause of the cardiac
19 arrest.

20 A It varies. I mean, I think that, you know, we're
23 dealing in probabilities here. And, you know, what I think
22 is probable may be somewhat different than the others.

23 Q Well, how many of them, to your knowledge, agree

1 with you as to the probable cause of the cardiac arrest?.

2 A I don't know. I haven't polled then.

3 Q Okay, Have you discussed it with any of them?

4 A Just Dr. McAtee on a brief occasion,

5 Q All right. And Dr. McAtee agrees with you; is
6. that right?

7 A He agrees that the child had a cardiac arrest
8 probably on a metabolic disturbance, yes.

9 Q Okay. Now, you say you've read his deposition.
10 Is there anything in there that you disagree with?

11 A Well, what are you referring to? Now, I mean,
12 that's a five -- you know, a five hour deposition. I can't
13 tell you.

14 Q He expressed certain opinions in there, didn't he?

15 A Yeah. Why don't you ask me which one you want?

16 Q I'm not going to ask you which one. He expressed
17 certain opinions in there, didn't he?

18 A (Witness nods head affirmatively).

19 Q Were there any of his opinions, his major points
20 about what he thought caused the cardiac arrest, that you
21 disagree with?

22 MR. DUFFY: Point out the page and number for

23 hi=.

1 MR. CUNNINGHAM: I don't have to point out a
2 page and number.

3 MR. WFEY: Well, he doesn't have to answer
4 then,

5 MR. CUNNINGHAM: We'll find out whether he
6 does or not.

7 MR. DUFFY: It's a hundred ninety page
8 deposition.

9 MR. CUNNINGHAM: I'm not asking about details.
10 I'm asking about his overall opinion.

11 MR. DUFFY: He can't be required to memorize a
12 hundred ninety pages.

13 MR. CUNNINGHAM: I'm not asking him to
14 memorize it.

15 MR. DUFFY: Invite his attention to something.

16 MR. CUNNINGHAM:

17 Q What do you understand Dr. McAttee's opinion to
18 have been as to the cause of the cardiac arrest?

19 A As I read his deposition, he feels that the child
20 had a metabolic disturbance, electrolyte disturbance, which
21 was not necessarily reflected in the admitting electrolytes,
22 which resulted in possibly a cardiac -- probably a cardiac
23 arrhythmia at a later time.

1 Q Do you agree or disagree?

2 A I agree.

3 Q Now, the metabolic — did you say metabolic
4 disturbance?

5 A Uh-huh.

6 Q All right, Is the metabolic disturbance that he
7 described the same metabolic disturbance that you have
8 described for us today?

9 A Yes, I think so.

10 Q Okay. Incidentally, what was your reason for
11 reading his deposition?

12 A Well, I think as you well know, Mr. Cunningham, to
13 be prepared to give a deposition you need to have all of the
14 records and all of the opinions so that one can give a
15 justified, unbiased opinion.

16 Q Well, you didn't need to know what he was going to
17 say to give your opinion, did you?

18 A I think I need everybody's information. If you
19 have some, I'd like to see it, too.

20 Q Why did you need to know what he was going to say?

21 A I didn't need to. But it was available and, you
22 know, I should read it beforehand.

23 Q Okay.

I A If I disagreed with him, I would justifiably tell
2 you and be more than agreeable to --

3 Q But you don't disagree with him?

4 A I said I don't agree with everything he said in
5 there, okay, in terms of varying degrees. I told you he was
6 an adult pulmonologist who, you know, describes things
7 somewhat differently than I would.

8 But in terms of what I do agree with him, is that
9 I do agree it was a metabolic disturbance which resulted in a
10 cardiac arrhythmia which resulted in a cardiac arrest which
11 resulted in decreased oxygen which resulted in decreased
12 blood flow which resulted in the hypoxic ischemic
13 encephalopathy that the child had.

14 Q Is there anything that Dr. McAtee said that you
15 considered to be totally wrong?

16 MR. HOLMES: What page are you referring to?

17 MR. CUNNINGHAM: I'm not referring to any
18 page.

19 MR. HOLMES: Why don't we just save time and
20 you give him --

21 MR. CUNNINGHAM: I'm not referring to any
22 page.

23 A Okay. I can't answer that.

1 Q You don't know whether he said anything thzt you
2 felt wes totally wrong?

3 MR. DUFFY: Not unless you invite his
4 attention and point out --

5 A Not unless you want to show it to me.

6 MR. CUNNINGHAM:

7 Q Well, did you read his deposition and try to
8 remember what he said?

9 A I've read fourteen depositions.

10 Q Yeah. But you just read his, didn't you, this
11 week?

12 A I've read all of then this week.

13 Q Did you read his?

14 A Yes.

15 Q Did you try to remember what he said?

16 A I've tried. But I can't remember specifically.

17 Q Have you considered what he said in expressing the
18 opinions you've given here today?

19 A Yes, I have.

20 Q Okay. But you' don't remember enough about it to
21 answer any questions about it; is that right?

22 A I'm answering the questions about it. You asked
23 me generally did I agree with him. I said yes. But

1 specifically, you know, there are things that I recall that I
2 don't agree with. But I can't --

3 What are those things that you recall that you
4 don't agree with?

5 A If I can go through the deposition, I'll be happy
6 to turn the pages with you.

7 (All right. Great.

8 (Off the record discussion)

9 (Short Break)

10 MR. CUNNINGHAM:

11 Q Is that that deposition that I put over there?

12 A Here it is.

13 Q You've got it? Okay.

14 Now, do you agree with Dr. McAtee when he says on
15 page thirty-one, line sixteen, in answer to the question,

16 "What diagnosis did you reach from reviewing these numbers
17 that you have told me about?" Answer, "Well, I think those
18 numbers themselves would add to the basic diagnosis of
19 pyloric stenosis and the additional problem of severe volume
20 contraction or dehydration in addition to the pyloric
21 stenosis diagnosis."

22 Now, is that what Dr. McAtee said, according to
23 this transcript?

1 A Yes. I think he was talking about volume
2 contraction more than dehydration, you know, as I interpret
3 it. But if he does mean severe dehydration, I would
4 disagree; that I don't think it's severe by the clinical
5 exam.

6 But in many situations you cannot tell, because
7 you don't know what the total body depiction is of
8 electrolytes and volume.

9 Q All right. So when he says it was severe volume
10 contraction or dehydration in addition to the pyloric
11 stenosis diagnosis, you disagree with that?

12 MR. DUFFY: I'm going to object to the *fora* of
13 the question, because what you are doing is something
14 which the Supreme Court has condemned; and that is,
15 taking things out of context. If you will refer to page
16 twenty-eight at line twelve, Dr. McIntee refers to the
17 condition as significantly dehydrated. He makes no
18 suggestion of severe dehydration. So I think we're
19 taking about --

20 MR. CUNNINGHAM:

21 Q Who used the word severe in that answer?

22 MR. DUFFY: You did.

23 MR. CUNNINGHAM: No, I didn't. I didn't

1 answer on page thirty-one;

2 MR. DUFFY: And he didn't say severe
3 dehydration, either. He said severe volume contraction.

4 MR. CUNNINGHAM:

5 Q All right. Let's talk about that, then. What is
6 the difference between severe volume contraction and severe
7 dehydration?

8 A Well, it means that the intravascular volume is

9
10 C
11 severe volume contraction?

12 A Well, if one can determine it - which may be
13 difficult in a small baby, because all you have is the
14 electrolytes and the clinical manifestations - then you would
15 replace with fluid volume.

16 Q Is severe volume contraction a life-threatening

17
18 A

19
20 Q
21 volume contraction?

22 A

23 Q Well, do you have an opinion whether he did or did

1 not have severe volume contraction?

2 A Based on the data that I reviewed, I don't think
3 it reflected in the data - in which that's all the doctors
4 had to go on - that it reflected it was severe volume
5 contraction.

6 Q All right. Well, then --

7 It has nothing to do with the cardiac arrest
8 anyway.

9 Q Did you disagree with Dr. McAtee when he refers to
10 the problem of severe volume contraction?

11 A If that's his opinion, then you'll have to ask
12 him. That's not the way I interpret the record, so I'm not
13 going to disagree with him or agree with him. I mean, I
14 interpret it differently. He can interpret it the way he
15 wants.

16 Q So you don't know whether you agree or disagree
17 with him on that?

18 E HOLMES: He said he interpreted it
19 differently.

20 A I can --

21 MR. CUNNINGHAM:

22 Q Does that man you disagree or agree?

23 A It means that I interpret it differently. If you

1 want to see what he says, then ask him.

2 Q Well, is severe dehydration a life-threatening
3 condition?

4 A It depends on the amount. It depends on the
5 conation of the baby. It depends on the type of
6 dehydration. Hyponatremic dehydration, which I would
7 classify as severe, may not be life threatening at that time.
8 And you usually take twelve to twenty-four hours to correct
9 it.

10 Q Okay. Well, isn't severe dehydration a
11 life-threatening condition?

12 A If you clarify what you are talking about, it may
13 be.

14 Q How come Dr. McAtee can answer that with a "Well,
15 yes, it is" and you have to have it clarified?

16 A Because I deal in specifics, Mr. Cunningham.

17 Q Okay. And he doesn't?

18 A Well, I don't think he does.

19 Q Okay. Isn't severe dehydration a life-threatening
20 condition?

21 MR. DUFFY: What page are you on?

22 MR. CUNNINGHAM: I'm on page thirty-two.

23 Q Isn't severe dehydration a life-threatening

1 situation in an infant this age?

2 A Again, you have to clarify what you are talking
3 about in terms of what are the other circumstances.

4 Q Okay. So when Dr. McAtee answers that question
5 with a "correct", you say that there's no way to answer it
6 unless it clarified?

7 A Yeah. I don't think -- you know, he didn't ask
8 you to clarify it. And you're talking hypothetically and
9 you're not talking in relation to this case.

10 And, you know, so we don't know -- I don't know
11 what you are talking about. If Dr. McAtee does, then that's
12 fine. I mean, from this deposition, I can't determine what
13 you are saying is hypothetical and what's reflected in the
14 case.

15 Q All right.

16 A And that's the problem, you know, with reading
17 depositions and not having people present

18 (Uh-huh. Doesn't severe --

19 MR. HOLMES: Are you agreeing. Bobbo?

20 MS. CUNNINGHAM: Do what?

21 MR. HOLMES: Are you agreeing?

22 MR. CUNNINGHAM: Huh? Oh, yeah. Yeah. Sure.

23 Q mesa't severe dehydration require prompt

1 diagnosis and treatment?

2 A Again, it depends on the type. And yes. I Dean,
3 if it is severe, and if you have the appropriate laboratory
4 studies, then it requires a certain course which a physician
5 is directed to take.

6 In this particular situation, the vital signs were
7 stable, the electrolytes were normal. They proceeded with
8 replacing fluid in an appropriate period of time. I don't
9 see any other course, and I don't think Dr. McAtee agrees
10 that there's any other course.

11 Q Did you know the child was severely dehydrated
12 back when you were treating him?

13 A I didn't see the child until 8-23.

14 Q All right. Well, did you know the child was
15 severely dehydrated prior to August 22nd at the time of his
16 arrest?

17 A That wasn't reflected in the chart. The admission
18 history and physical by Dr. Roberts said that the -- there
19 was not any severe dehydration. so that's what I assumed was
20 the case.

21 And after I review the records, I have to assume
22 that based on the laboratory data and based on the clinical
23 exam, that it appeared that the child was not severely

1 dehydrated; but may well have been volume contracted as Dr.
2 McAtee *it* suggesting, you know.

3 So I think that the appropriate course in terms of
4 putting the IV in and replacing the fluid as they did was the
5 way it should be done.

6 Q So when Dr. McAtee says yes, he was aware when he
7 reviewed the records that the child was severely dehydrated,
8 you were not aware of that when you reviewed the records?

9 A Now, you asked me back then. Okay?

10 Q Well, how about since then when you have reviewed
11 the records?

12 A Again, no, I have interpreted it differently. I
13 do not feel that the child is severely dehydrated. But
14 again, you have to ask Dr. McAtee. His interpretation of
15 certain findings may be different than mine.

16 But it -- the bottom line is that it makes no
17 difference in this particular situation.

18 Q In your opinion?

19 A Well, I think in Dr. McAtee's opinion.

20 Q Okay. So you think he expressed the opinion that
21 it made no difference in this case?

22 A He expressed the opinion that the care rendered
23 was appropriate for the situation,

1 Q Okay.

2 A He is expressing the opinion that I am, too, that
3 pyloric stenosis has certain problems associated with it in
4 children having metabolic disturbance and electrolyte
5 disturbance that if it is detectable, then it requires an
6 appropriate action.

7 If the electrolytes appear to be within a normal
8 range and the other situation appears to be normal, you go
9 along one course.

10 Q Well, if Dr. McAtee could detect it, wouldn't you
11 expect a pediatric surgeon to be able to detect it?

12 A I think that the pediatric surgeon assessed the
13 laboratory data quite appropriately. Now, even though Dr.
14 McAtee felt like there might be severe dehydration, I see
15 nowhere in his deposition that I can kind that he would have
16 done anything any differently.

17 Q Well, do you think anything should have been done
18 differently if the child was severely dehydrated?

19 A Well, you won't give me, for some reason, what you
20 want to know, Mr. Cunningham. And --

21 Q But you just used the term yourself, Doctor. I'm
22 using it in the same context you did.

23 A Again, I'm trying to -- again, you have to tell me

1 what you -- you know, when I use severe dehydration, I
2 usually clarify it, hypernatremic, hyponatremic, hypokalemic.
3 And if that's the case -- it's like saying somebody's sick,
4 okay? How do you treat somebody's who's sick?

5 7 Well, did Doctor --

6 A How do you treat somebody who's sick? You have to
7 find out what's natter with them.

8 Q Well, did Dr. McAtee say that the child was
9 hypokalemic?

10 A No.

11 Q Hyperkalemic?

12 A No.

13 Q Hypernatremic?

14 A No.

15 Q Hyponatremic?

16 A No.

17 Q Well, how did he describe the severe dehydration
18 then?

19 A He just said it was *tevere* dehydration. He didn't
20 tell you why.

21 Q How closely should this child have been monitored
22 by the nurses between the time of admission and the time the
23 cutdown was done?

1 A You know, I mean, I don't know what you mean. Do
2 you clean --
3 Q You don't know what I mean?
4 A No.
5 Q Okay. So if a medical student asked you how
6 closely a child should be monitored between such and such a
7 time and such and such a time, you wouldn't know what he was
8 asking you?
9 A No. I didn't say that. That's what you said. If
10 he tells me what's the matter with the child, giving me the
11 laboratory data and then condition of the child, then I would
12 tell him.
13 Q Well, I'm talking about Andy Hinkle.
14 A No, you didn't say that.
15 Q Okay. Well, I'm saying it now.
16 A All right. Well that's what I'm asking you.
17 Q How closely should this child have been monitored
18 between the time of admission and the time of the cutdown?
19 A Just as he was.
20 Q Just as he was?
21 A Yes.
22 Q No closer?
23 A You know, he was in virtually constant observation

1 by someone all the time.

2 Q Who was the someone?

3 A Family, nurses, physicians.

4 Q Okay. Did the doctor -- did the child receive
5 sips of clear liquid between the time of admission and the
6 time of the cutdown?

7 A I don't know.

8 Q Should he have?

9 A You know, I mean, no. I think that the order was
10 written. I mean, if he did, that was fine. If he didn't,
11 that was also fine. He was going to get an IV done. And
12 that was within a reasonable period of time.

13 Q The order was written, and if he did it was fine
14 and if he didn't it was fine?

15 A Well, and -- you know, after they put the IV in,
16 and if he wanted clear liquids and sips of clear liquids,
17 that's fine.

18 Q How about before they put the IV in?

19 A It's only a matter of four hours -- three hours
20 before he has the IV in. So I don't see any reason to, you
21 know, if that -- if the child wanted it, that was fine.

22 Q Okay. Now, what would be the impending signs and
23 symptoms of a child who suffers a cardiac arrest due to a

1 metabolic abnormality of electrolytes?

2 A You're saying a hypothetical case?

3 Q Yeah. I mean --

4 A All right. At what age?

5 Q At the age that Andy Hinkle was. What do the
6 texts and the medical literature and what does your
7 experience tell you about what the signs and symptoms would
8 be?

9 A usually there are none.

10 Q None?

11 A Right.

12 Q So the first you would expect to know about a
13 problem in a child who had a metabolic abnormality of
14 electrolytes would be when they had a cardiac arrest?

15 A That may be the case. Certainly sometimes, you
16 know, children -- you know, as a manifestation of an
17 impending cardiac decompression, will manifest them. But they
18 do not have to manifest them.

19 It can be just a sudden event. It's just like
20 anybody that you monitor in the hospital, and why that --
21 people that have heart attacks you put in an intensive care
22 unit. You monitor them with an ECG, and then you'll see if
23 they have any deviations from that.

1 Q Well, is that *the way* it happens most of the time,
2 that there are no impending signs and symptoms in an infant
3 like **this**?

4 A With a metabolic disturbance, yes. If everything
5 appears to be normal at the time of admission in terms of
6 electrolytes and in terms of clinical exam, then how do you
7 anticipate it? I mean, I don't know how to anticipate it.

8 Q Well, I'm not asking about anticipation now. I'm
9 talking about impending signs and symptoms. Does he just --

10 A Well, but that's part of --

11 Q -- roll along and everything fine and then all of
12 a sudden his heart stops?

13 A Certainly that happens.

14 Q Okay. Is that the way it happens most of the
15 time?

16

17

18 Q Yeah.

19

20 Q

21 A

22 Q That's the way it happens most of the time?

23 h Yes.

1 Q All right. Is that documented in the medical
2 literature?

3 A It's documented in the literature that I review.

4 Q Okay. What specific literature?

5 A Twenty years or reviewing literature.

6 Q Well, you don't have any specific textbook that
7 discusses that?

8 A I don't use a specific textbook, Mr. Cunningham.

9 Q You don't have any textbooks in your office?

10 A I have about two hundred.

11 Q Okay. Well, do any of those discuss it?

12 A Yes, I'm sure they do.

13 Q So you would be able to provide textbooks to
14 support your theory in this case, wouldn't you?

15 h No. That's what you said. I did not say chat.

16 Q Okay.

17 A I said that my clinical experience, in review of
18 articles, books, texts over the years, have given me the
19 reassurance that this occurs.

20 Q Okay. Well, is there textural material that
21 describes this phenomenon that you have described occurred in
22 Andy?

23 A I'm sure there is.

1 Q Okay. And it would be in some of those textbooks
2 you have, wouldn't it?
3 A I would guess it would be.
4 Q Okay. And you wouldn't mind providing me with
5 copies of that, would you?
6 A Yes. Because I don't know where -- you know, I
7 can't go specifically -- you know, I'd have to go
8 through twenty years of review. And I don't think I'd
9 have the time to do that.
10 { So you don't think there's any text you could put
11 your hand on and pull out a --
12 A It's common knowledge, Mr. Cunningham, in
13 practicing medicine and taking care of acutely ill children.
14 I don't think it needs documentation.
15 Q Common knowledge?
16 A Yes.
17 Q Okay. ~ u ybu keep talking, about twenty years.
18 Is that how long you've been practicing medicine?
19 A Yes.
20 Q Okay. In that twenty yezs, you've never had it
21 happen, though?
22 A Oh, yes, I have.
23 Q You hzve?

1¹ A Absolutely ,

2² Q A child with pyloric stenosis?

3³ A No. No. You didn't say that, You said heve a
4⁴ metabolic abnormality or a cardiac arrest. At least that's
5⁵ what I thought you implied.

6⁶ || Well, how many have you had that had a metabolic
7⁷ abnormality just like this in a six week old infant with
8⁸ pyloric stenosis who died?

9⁹ A Okay. I told you I haven't had any or those. But
10¹⁰ you made your general question as to children that had
11¹¹ metabolic abnormalities having a sudden cardiac arrest. That
12¹² certainly occurred. It's occurred to me, It's an
13¹³ unfortunate, tragic situation which nobody can prevent.

14¹⁴ Q All right. And those metabolic abnormalities can
15¹⁵ be caused by multiple things, can't they?

16¹⁶ A Absolutely.

17¹⁷ Q Okay. Have you ever had one caused by precisely
18¹⁸ whst we see here with precisely the same clinical course mu-
19¹⁹ the same lab findings?

20²⁰ A No.

21 Q Now, what was the position of this infant
22 immediately prior to the time of the cardiac arrest?

23 A As best I can determine, the baby was on its back

1 slightly elevated.

2 Q On its back? What was slightly elevated?

3 A Seems to me that the nurses said upon bringing the
4 child back to the room that they had a towel wrapped under
5 the back.

6 Q Okay. All right. And was that positioning
7 appropriate?

8 A Appropriate for what?

9 Q Appropriate for that child.

10 A Yeah. I mean, I don't see any reason that the
11 child shouldn't be in that position with a saphenous vein
12 cutdown.

13 Q What why do you say with a saphenous vein cutdown?

14 A Well, because the catheter is in the leg. And,
15 you know, it's more appropriate to have the child on the back
16 with being propped up.

17 Q Okay. Why would you worry about the child being
18 on its stomach with a catheter sticking out of the back, that
19 that what you are talking about?

20 A Well, it can be. You know, you'd like to keep the
21 catheter in as much view as you can.

22 Q Okay. Now, is a child more likely to aspirate on
23 its back or on its stomach?

1 A Do you mean just any child?

2 Q Yeah. Just any child,

3 A At what age?

4 Q At **this** age with pyloric stenosis.

5 A I don't know. I mean, you know, aspirate, do you

6 mean just when the child vomits and then aspirates?

7 Q Well, if you don't know what aspirates means,

8 that's fine. Just tell me.

9 A No. That's not what I asked. I'm not trying to

10 be difficult, believe me.

11 Q Well, I won't comment on that. I'm asking you

12 whether or not a child is more likely to aspirate on its

13 stomach or its back?

14 A I think the child can aspirate in any position at

15 that age.

16 Q All right. The question is not whether -- not can

17 they aspirate, but in which position are they more likely to

18 aspirate?

19 A You know, if the child is propped up and vomits

20 whether it's on the back or on the stomach it's liable to

21 aspirate.

22 Q Okay. Can aspiration cause a respiratory arrest?

23 A Unless it's just overwhelming aspiration, no, not

1 if it's just a little bit.

2 Q Can aspiration cause laryngospasm?

A I've not had the opportunity to see that.

4 Q Well, even though you may not have seen it, do
5 you, based on your twenty years of experience, know whether
6 or not aspiration can cause laryngospasm?

7 A I suppose anything is possible. The probabilities
8 are no.

9 Q Okay. That it cannot?

10 A Right.

11 Q Did this child aspirate?

12 A Yes.

13 Q Did it aspirate during the ten to fifteen minute
14 period prior to its cardiac arrest?

15 A Not based on the data. It appears that the child
16 aspirated either that morning or sometime beforehand, because
17 the infiltrates that were recorded were present very shortly
18 after. And it takes a long period of time for the
19 infiltrates to develop. so I'd have to say the child didn't
20 aspirate.

21 Q All right. How do you know the child didn't
22 aspirate during that five to ten or fifteen minute period
23 prior to the arrest based on those reports? How do you know

1 that?

2 A Because there were really no new findings on the
3 chest x-ray.

4 Q Well, would there have to be chest x-ray findings
5 if the child aspirated?

6 A . You have to have something to base your findings
7 on, and that's all we have.

8 All right.

9 A You've got no barium in the lungs. You have
10 infiltrates that were present acutely. And those take time
11 to develop; so I'd have to say no, there's no evidence to
12 support that.

13 Q Okay. Did the child vomit immediately prior to
14 its arrest?

15 A According to the notes, no. According to the
16 observations of the parents, no.

17 Q Okay.

18 A And see, I think that's the whole key in this
19 entire case, Mr. Cunningham. The father, the mother and the
20 mother-in-law, or whoever else was in the room, noticed this
21 child arch his back first before anything else occurred and
22 become cyanotic.

23 That indicates to me that the child had a urinary

1 cardiac problem, did not have a respiratory arrest. It was
2 only noted after the nurses came in that there was some
3 material in the mouth. And I'm not sure what that was. It
4 could have been mucus, could have been anything.

5 Q What color was it?
6 h They described it as being white.

7 Q All right. Did the child choke immediately after,
8 its cardiac arrest?

9 A I would have to say no. There were no sounds.

10 Q All right. What did the nurses do immediately
11 upon arrival in the room?

12 A Which ones?

13 Q How many of them came immediately?

14 A TWO.

15 Q All right. What did those two nurses do?

16 A They attended the child, tried to clear the mouth
17 out and establish whether there was an appropriate airway,
18 which is what they should have done, and then instituted
19 resuscitation.

20 Q Was an airway established?

21 A Within three minutes by the anesthesiologist.

22 Q All right. That is the appropriate drug than-
23 for a child who suffers a cardiac arrest like Andy did?

1 \?ell, the -- I mean; one has to first of all see
2 whether **there's any cardiac rhythm** and then, you know, **decide**
3 **on what the type of rhythm is.** If its atrial -- I mean if
4 it's ventricular fibrillation, one would **probtbly shock** the
5 child **first** and then replace with bicarbonate, with
6 Epinephrine, with other **cardiac support** medicines.

7 O Okay. Would that -- would you want to do that .
8 immediately in a situation like this?

9 A No. You first establish -- try to find out what
10 the problem is, establish the airway, get that stabilized and
11 and **give** the appropriate medications.

12 Q Okey. Well, that's what I am trying to find out.
13 You **establish** the airway and be sure you've got a patent
14 airway?

15 A Right. That was aone in three ninutes. I don't
16 know of anytime that it could have been done any quicker,

17 Q All right. And during that *tine*, I guess the
18 heart was king **massaged**?

19 A Well --

20 Q CPR was being done; is that right?

21 A I don't know that.

22 Q Well, shouldn't it have been done?

23 A Well, no. I mean, it depends on whether they felt

1 that there was a pulse. obviously they felt there was a
2 pulse at that time.

3 Q Well, was there a pulse at that time?

4 A Well, you know, I assume there was.

5 Q Is that consistent with a cardiac arrest?

6 A Well, it can -- certainly after the baby comes back
7 end after the baby is stimulated, certainly you can have the
8 pulse come back.

9 Q Well, could this baby come back immediately insofar
10 as its heart activity?

11 A According to the EKG tracings the child had a sign
12 wave then came back with cardiac complexes with elevated
13 I-waves.

14 Q All right. Well, how long was the child actually
15 in cardiac arrest?

16 A I don't know that. I don't think anybody knows
17 that.

18 Q All right. What drugs would you want to
19 administer immediately?

20 MR. HOLMES: He didn't say he'd administer any
21 drugs immediately.

22 MR. CUNNINGHAM:

23 Q All right. Would you not administer any drugs

1 immediately?

2 A As I told you, by -- the way most people approach
3 a cardiopulmonary arrest is to, one, determine as best they
4 can quickly what's occurred, establish the airway, establish
5 an IV, give bicarbonate, because there's almost invariably
6 acidosis.

7 Q This child has an IV in already?

8 A Right. That's what I'm saying. But that's -- you
9 asked me the sequence, And the hypothetical sequence is --

10 Q And the sequence is establish an airway?

11 A Right.

12 Q And if you've got an IV in, what do you do next
13 after you've established an airway?

14 A Then you give what medications you think are
15 appropriate based on the cardiac rhythm or based on the
16 clinical assessment.

17 Q Okay. Do you want to do that as quick as you can?

18 A Well, I mean, as quick as it's certainly feasibly
19 possible in a hospital.

20 Q Okay. Now, I take it from your testimony that you
21 have never been of the opinion that Andy aspirated or --

22 A No.

23 Q Excuse me. Let me rephrase that.

1 You have never been of the opinion that aspiration
2 caused the cardiac arrest?

3 A That's correct.

4 Q Okay. Did you have occasion during the follow-up
5 care of Andy to have numerous conversations with Mr. Einkle?

6 A Yes.

7 Q Did you know Mr. and Mrs. Einkle to be extremely
8 concerned about what had caused Andy's cardiac arrest and
9 subsequent brain damage?

10 A Yes.

11 Q Did you ever tell him that in your opinion it was
12 a metabolic abnormality of electrolytes?

13

14

15 Q Well, certainly if you had the opinion at that
16 time that that was the cause you would have told the parents,
17 wouldn't you?

18 A I may -- usually, you know, you let the primary
19 care physicians take care of their patients. And unless I
20 was asked directly, I might not have expressed that in that
21 term.

22 Q Well, did you ever express that opinion to the
23 primary care physician?

1 A I expressed the opinion chat the child had a
2 cardiac arrest. And I based it, you know, on what I thought
3 occurred. Now, whether I used netabolic abnormality or
4 electrolyte disturbance or what hava you, I don't know. I
5 mean, I can't tell you. I mean, that's six years ago.

6 Q You don't know whether you told told the treating
7 physicians?

8 A I do know thzt we had discussions about vhat the
9 cause was. And my opinion has not changed.

10 Q Okay. Now, you said you hadn't talked to any
11 other attorneys other than Mr. Duffy and Mr. Leach and Mr.
12 Holaes. Do you recall --

13 A No -- well, excuse me.

14 Q -- having a meeting at your office with Mr. Gordon
15 Tabor and Mr. Albert Copeland?

16 A Yes, I do recall.

17 Q And with another attorney?

18 A Yes.

13 Q And Co you recall having a discussion et that
20 meeting about the specific subject of what had caused Andy's
21 cardiac arrest?

22 A No, I don't recall the substance of that
23 comersation.

1 Q You don't recall anything about the substance of
2 it?

3 A We talked -- I think they asked me about his
4 condition at *that* time.

5 Q Sir?

6 A I think he asked me about his condition at *that*;
7 time and, you know, what his prognosis was and so forth. You
8 I am~, this was done over at the University of South Alabama.
9 I do remember that now. And I didn't -- you know, I wasn't
10 trying to not tell you that. I just didn't recall.

11 Q All right. And you don't have any recollection of
12 any discussion at that meeting about what had caused Andy's
13 cardiac arrest?

14 A You know, I don't recall what I said, no.

15 Q Okay. All right. Did you state at that meeting
16 that in your opinion one of the probable causes was
17 aspiration of barium?

18 A Lo, I don't think I would have said that.

19 Q All right. Did you state at *that* meeting that
20 there were gaps in the patient's chart surrounding the barium
21 swallow and upper GI series as well as the venous cutdown
22 procedure?

23 A Again, I told you I can't remember the substance

1 of the conversation.

2 Q Okay. Did you tell him that you did not know 'if'
3 the child's stomach had been emptied following the radiology
4 procedure, which is standard procedure?

5 A No, I wouldn't have used that term.

6 Q All right. Did you tell then that, in your
7 opinion, the most probable cause of the cardiac arrest was
8 aspiration of barium?

9 A No.

10 Q Did you tell then that you could not rule out
11 aspiration by reading the x-ray reports?

12 A Are you reading from a summary or the discussion?
13 Because I've never seen that.

14 Q I'm asking you a question.

15 A Is that what you are reading from?

16 Q I'm asking you a question.

17 A No. I told you I don't remember what I discussed
18 with them.

19 Q Did you tell them that you could not rule out
20 aspiration by reading the x-ray reports because the first two
21 films were overexposed and the reports indicate they were
22 difficult to read?

23 A No, I wouldn't have said that.

1 Q All right, sir. It' there anything else you
2 remember about that conversation?

3 A It was a long time ago. No.

4 Q All right, sir. Is there any reason you would
5 have had at that meeting not to tell exactly what you thought
6 about the case?

7 A No. I mean, I have no reason to tell anything any
8 differently at anytime.

9 Q All right. And back in 1979, your recollection of
10 the facts and details about this case was a lot better than
11 it is now, isn't it?

12 A Some of them. But I can't hold accountable for
13 things that I don't review and approve, you know, of my
14 conversations.

15 Q Now, the electrolyte imbalance that existed in
16 Andy, would there, in your opinion, have been any changes in
17 his laboratory reports if further tests had been done between
18 the time of admission and the time he arrested?

19 A I suppose that is -- yeah, that's possible.

20 Q What would you expect to have seen change?

21 A I wouldn't have expected anything to change. I'm
22 saying -- you asked me if it was possible that there would
23 have been some changes.

1 Q All right. Well, I'm asking you now, would you
2 have expected to see any changes?

3 A No, not if there wasn't any further excessive
4 vomiting, if there wasn't any excessive fluid loss, weight
5 loss, anything that changed, which obviously didn't.

6 Q Okay. All right.

7 A So I wouldn't expect any changes.

8 Q So you would expect his lab picture, then, to be
9 the same at the time of the arrest immediately before as it
10 was on admission?

11 A I would have anticipated that that would have been
12 the case. But that's not the way medicine occurs. Things
13 change.

14 Q Are you telling me you would expect to see changes
15 or you wouldn't?

16 A No, I said that I would not anticipate it. I'm
17 saying that doesn't always happen, though.

18 Q All right. Hell, let me rephrase the question
19 then.

20 I want you to assume that all of the lab tests
21 that were performed on admission --

22 A Again, this case, we're talking about?

23 Q Right.

1 -- were performed ten minutes before Andy had a
2 cardiac arrest. What findings would you expect to have seen
3 on those lab reports?

4 A Well, I would --

5 MR. HOLMES: Just a minute, I object to the
6 question, because I don't think it's been established
7 that the lab tests were performed on admission or at the
8 time of admission.

9 MR. CUNNINGHAM: Well, let's call it on the
10 admitting lab tests, whenever they were performed.

11 MR. HOLMES: In fact, I think they carry the
12 time of 2:00 o'clock, 2:00 something.

13 MR. CUNNINGHAM:

14 Q Do you know which ones I'm talking about, the ones
15 that you referred to?

16 A Talking about the admitting laboratory data.

17 Q Right.

18 A Whether it was done at 11:25 or 2:00 p.m.

19 Q Is irrelevant to my question. My question is,
20 assume that it had been done five or ten minutes before he
21 arrested. What, if anything, would you have expected to see
22 change between the previous ones and --

23 A Do you mean looking at that prospectively before

1 the want or now?

2 Q Now.

3 A Okay. Yeah. I mean, I -- now, knowing that the
4 child had a cardiac arrest, knowing what his potassium was
5 afterward and knowing that he received that amount of
6 bicarbonate, then yes I would have said that maybe the
7 potassium was higher than it was on admission. But there's
8 no way to anticipate that. There would be no way to do that.

9 Q I'm not asking about anticipation, okay?

10 A Well, it's part of the way you treat patients, Mr.
11 Cunningham.

12 Q But that's not what I am asking you,

13 A Yes, you are. You're asking me to interpret --

14 Q No, I'm not.

15 A -- a set of data and give you an opinion. I have
16 to do it the way I treat patients.

17 Q Well, how about answering my question, okay? And
18 the question is, if that had been done five or ten minutes
19 before the arrest, tell me what differences you would expect
20 to have been between the test done then and the test done on
21 admission.

22 A Given the sequence of events in retrospect and not
23 prospectively --

1 Q In retrospect.

2 A Okay. And looking at it now, from knowing the
3 child had a cardiac arrest --

4 Q Right.

5 A -- then yes, I anticipate -- I mean, I would have
6 expected, as it was in the laboratory findings, that the
7 potassium was elevated after a certain amount of bicarbonate.
8 Again, not knowing that -- and not expecting that that should
9 occur.

10 Q All right. If tests had been done ten or fifteen
11 minutes before and if, hypothetically, you had found this
12 increased potassium, what, if anything, would you have done?

13 A Depends on what the level of the potassium was.

14 Q All right. Well, what do you expect the level
15 would have been if you had done the test?

16 A I don't know.

17 Q Don't have any idea?

18 A No.

19 Q What would you consider to be elevated to the
20 extent that it would cause you great concern?

21 A Anything in excess of five point five, five point
22 seven.

23 Q All right. Well, let's assume it was five point

1 seven ten ninutes before the arrest. What, if anything,
2 would you have done?

3 A Now, we're assuming hypothetically all of this
4 occurred?

5 Q Absolutely.

6 A Okay.

7 Q Absolutely .

8 A Well I might have said, look, the child has

9
10
11 normal, then I might have said, let's repeat it. Because in
12 small infants, you can sometimes have hemolyzed blood samples
13 and the potassium is falsely elevated.

14 So instead of treating the laboratory test, I
15 would then repeat it since I was assured the child was in no
16 particular distress. If, in fact, the EKG revealed that the
17 child was suffering from hyperkalemia, and repeat laboratory
18
19 would treat that in an appropriate manner; one by giving
20 bicarbonate, insulin, glucose, etcetera.

21 Q All right. You used the term hyperkalemia; is
22 that right?

23 A Yes.

1 Q What numbers in this child would indicate he was
2 hyperkalemic?

3 A . . . At what time?

4 Q At anytime.

5 A Well; the post potassium -- the post arrest
6 potassium was five point three.

7 Q Okay. Is that hyperkalemic?

8 A That's above the normal limits.

9 Q Okay. Now when, in your opinion, did he become
10 hyperkalemic?

11 A I don't know of any way to tell you that.

12 Q Well, was --

13 A I mean, in retrospect --

14 Q -- it before he arrested?

15 A Well, it may well have been. That is a
16 probability.

17 Q Okay.

18 A But that is not necessarily what occurred.

19 Q Okay. Well when, in your opinion, did he probably
20 become hyperkalemic?

21 A Probably shortly before the arrest.' Now, what
22 that level is for every individual is different. I mean, you
23 could have a potassium of six point eight and not have any

1 symptoms. Some individuals of five point five can have a
2 cardiac arrhythmia. We don't know What that is. You have to
3 assess the total clinical situation.

4 Q Was he hyperkalemic on admission?

5 A No.

6 Q Was he hyperkalemic an hour after admission?

7 A Well, I'd have to look when this second set of
8 electrolytes were drawn."

9 Q Was he hyperkalemic 'at 3:00 p.m.?

10 A On the day?

11 Q Yes.

12 A No. There were no laboratory tests done.

13 Q Well, in your opinion, was he hyperkalemic at 3:00
14 p.m.?

35 A I don't know how to answer that.

16 Q Well, he arrested at what time?

17 A At 4 :20.

18 Q All right. And you have no opinion whether or not
19 he would have been hyperkalemic at 3:00 p.m.?

20 A Well, if he was, he wasn't manifesting any
21 symptoms, which would --

22 Q ~~Get~~ isn't the question.

23 A Yes, it is.

1 Q No, it isn't. It's not the question I'm asking
2 you. I'm not asking whether he manifested symptoms or
3 whether anybody knew it,

4 A But I have to answer the questions the way I want,
5 not the way you want,

6 Q Well, you tell me whether or not, in your opinion,
7 he was hyperkalemic at 3:00 p.m.

8 A I said no.

9 Q No. All right, Was he hyperkalemic at 3:30 p.m.?

10 A Excuse me. Let me correct that. I said I don't
11 know.

12 Q You don't know. Was he hyperkalemic at 3:30 p.m.

13 A I don't know.

14 Q Was he hyperkalemic at 4:00 o'clock?

15 A I don't know.

16 Q Was he hyperkalemic at 4:15?

17 A Again, I don't know.

18 Q Was he hyperkalemic at the time he arrested?

19 A I don't know. He was hyperkalemic after he
20 arrested.

21 Q Well, in your opinion, did his hyperkalemia cause
22 or contribute to the arrest?

23 A As I have said before, that is a probability. An

1 in my opinion, that is the most likely probability. But when
2 it occurred, I cannot tell you. I don't have the laboratory
3 data.

4 Q Well, certainly you would agree that it would
5 occur before the arrest if it caused the arrest, wouldn't
6 you?

7 A I said I -- yes, I --

8 Q You agree with that?

9 A I did say that.

10 Q Okzy. All right. And your statement is that you
11 don't know when before the arrest?

12 A No.

13 Q In that right?"

14 A That's correct.

15 Q And you don't know the degree to which he became
16 hyperkalemic before the arrest; is that correct?

17 A I don't know how to tell that.

18 Q Is there treatment available for infants who are
19 hyperkalemic?

20
21 their problem is, whether they are symptomatic. Some
22 children are chronically hyperkalemic.

23 Q All right. Is there treatment available for

a+

1 children who have pyloric stenosis with hyperkalemia who are
2 about four to six weeks of age? Is there any way to treat
3 it?

4 A If it is symptomatic, yes.

5 3 All right. How do you treat it?

6 A I've already said that.

7 Q Well, tell me again. I forgot. How do you treat
8 it?

9 A You can either give a substance called Kayexalate,
10 which takes a little while to work. You can give other
11 substances such as bicarbonate, glucose, insulin.

12 Q All right, What's the fastest way to take care of
13 hyperkalemia?

14 A Certainly by intravenous medications of whichever
15 you choose.

16 Q Okay. If you have a child under these same
17 circumstances I just described who is hyperkalemic and you
18 administer the proper medication, how long would you expect
19 it to take to correct the condition?

20 A It depends on the degree and depends on the other
21 situation, and -- I mean on other factors. It may take, you
22 know, twenty minutes, it may take thirty minutes, it may take
23 two hours.

- 1 Q Okay. And is it a matter of putting the
2 medication into the IV and then into the child; is that all
3 it involves?
- 4 A Yes.
- 5 Q Okay. Have you ever treated children who were
6 hyperkalemic?
- 7 A Yes, I have.
- 8 Q Okay. And how do you determine whether or not the
9 condition is being corrected? Do you do further blood
10 studies?
- 11 h And you follow the patient clinically.
- 12 Q Okay. Now, have any of the patients that you have
13 treated for hyperkalemia exhibited any signs and symptoms?
- 14 A Some have, some have not.
- 15 Q All right.
- 16 A As I said, you know, there have been a large
17 number of children that are hyperkalemic without any
18 symptoms.
- 19 Q Are there any signs and symptoms for hyperkalemia
20 described in the medical literature?
- 21 A Certainly, there are many.
- 22 Q Are there any classic signs and symptoms?
- 23 A Usually they will have an abnormal EKG.

1 Q All right.

2 A They may be in congestive heart failure. They may,
3 be in renal failure. They may have an infection. They may
4 have muscle disease. They may have a whole host of symptoms.

5 Q Did this child have any of those?

6 A No.

7 || Q All right. Now, what was his potassium
8 immediately after the arrest?

9 A I believe it was five point three.

10 Q Okay. And does that mean that at the time that
11 test was done that he was hyperkalemic?

12 A That means he was slightly hyperkalemic. But this
13 is after receiving a large amount of intravenous medications.
14 So I don't know what it was before. And it may not have even
15 been that high.

16 But, you know, in a child that has been vomiting
17 that has pyloric stenosis it may be that, you know, five
18 point five or five point four may be enough to trigger a
19 cardiac arrest.

20 Q All right. Well, do you have any literature which
21 supports the proposition that five point two or five point
22 three is enough to trigger a cardiac arrest?

23 A I think in the given -- not a single isolated

1 event. Again, you want to isolate single characteristic
2 findings. You don't practice medicine that way. You have to
3 practice it with a constellation.

4 Q I didn't ask you about practicing medicine. I
5 asked you whether or not there are any textbooks that say
6 that.

7 A You asked me, in my opinion, how we assess certain
8 laboratory values. At least that's what I assume you're
9 saying.

10 Q No. I'm asking you just what I asked you, whether
11 or not there are any texts that indicate that five point two
12 is going to result in a cardiac arrest in an infant?

13 A The texts indicate that electrolytes that are out
14 of the range of normal have the potential of causing
15 problems.

16 Q Well, I know. But there are all kinds of problems
17 aren't there? That's not a very specific term. And you like
18 to be specific, right?

19 A Uh-huh.

20 Q There are all kinds of problems that are not as
21 bad as a cardiac arrest; correct?

22 A Yes.

23 Q All right. Well, do any of these texts talk about

1 five point two producing a cardiac arrest in an infant?
2 A I'm sure they do.
3 Q Okay, Which ones?
4 A I don't know.
5 1 All right. Now, you say -- was it five four after
6 the arrest?
7 Five point three.
8 Five point three. All right. And what is the
9 range of values for normal?
10 A Well, let's get the Mobile Infirmary laboratory
11 sheet, because it's for each laboratory. And I believe five
12 point one is the upper limits of normal.
13 Q How about the lower limits?
14 MR. DUFFY: Normals are on the back, and I
15 don't they xeroxed the back.
16 A No, they're on the front.
17 MR. DUFFY: They are?
18 A Wait just a minute. It's three point six, I
19 believe, but -- let me correct that. Three point six to five
20 point one.
21 MR. CUNNINGHAM:
22 Q And Andy's after the arrest ~ ~ five point three,
23 correct?

1 A Correct.

2 Q And what time was that?

3 A We'll have to find the laboratory sheet. I can't

4 remember all of those details. Unless your copy in your

5 || records has the time -- it's covered over by this, so I don't

6 know what time that was.

7 Q Okay. Well, I don't know either. Would you

8 expect somebody to have done some lab studies fairly rapidly

9 after he arrested?

10 h Yes.

11 Q All right. It's five point three. Now, what

12 medication was he given between the time of the arrest and

13 whenever this blood study was done?

14 A I'll have to go back to the orders. I don't

15 remember all the medicines *that* he was given.'

16 Q Okay.

17 A Do you want to do that?

18 Q Sure.

19 A Okay. All right. Reading from Mr. Duffy's copy

20 of the Mobile Infirmary hospital records -- I wonder where

21 the resuscitation sheet is. I wonder if it's in the progress

22 notec.

23 (Pause)

1 A Okay. I think I've got it here.

2 Q Okay. What medication was given immediately after
3 the arrest?

4 A Well, let me first identify from where I am
5 reading. Do you see a date on here?

6 MR. DUFFY: This is the first day.

7 A This is on -- this is, again, reading from Mr.
8 Duffy's copy of the Mobile Infirmary records on 2-22-78. And
9 this is the nurses notes.

10 And at 4:30, Epinephrine point three milligrams
11 intravenously was given. 4:35, sodium bicarbonate ten cc's
12 was given. The heart rate -- a thirty per EM; Atropine
13 point five milligrams was given IV. The heart rate was
14 forty. Sodium bicarbonate ten cc's given IV. Epinephrine
15 point four milligrams. Isuprel point two milligrams. Heart
16 rate was one ninety.

17 How far do you want me to keep going? —

18 MR. CUNNINGHAM:

19 Q That's fine. Which of those medications would you
20 expect to have an effect on the potassium level?

21 A The sodium bicarbonate.

22 Q All right. Now, the ten cc's -- I think a total
23 of, what, twenty cc's was given?

1 A That's up until 5:30.

2 Q All right. Em, how much of an increase would you
3 expect to see in the potassium level by the administration of
4 that?

5 A I don't know. It's just variable, Mr. Cunningham.
6 I don't know whether I can tell you that.

7 Q Well, you don't have any idea?

8 A No.

9 Q But you would expect to see it increase?

10 A More than likely. But that may not be the case.

11 Q Well, more than likely it would increase, wouldn't
12 it?

13 A Yeah. But I'm saying, you know, I don't know.

14 Q Well, if the potassium level increased by virtue
15 of the administration of the sodium bicarb after the arrest,
16 wouldn't that indicate to you that his potassium level before
17 the arrest was within normal limits?

18 A That's assuming, you know, that the blood was not-
19 hemolyzed. There are a number of factors involved, okay?
20 So, you know, I don't know how to specifically answer that.
21 I would have to say that yes, in -- most likely it was
22 higher. How much higher, I don't know. It may not have been
23 any higher.

1 Q Okay. All right.

2 A And if it wasn't any higher, then we're talking
3 about mechanism number two in the cause of the cardiac arrest.

4 Q All right. And mechanism number two is what?

5 A Is a bradycardia or vasovagal response.

6 Q All right. You wouldn't expect to have a child
7 whose potassium was within normal limits to suffer a cardiac
8 arrest from hyperkalemia, would you?

9 A No, I would not expect that.

10 Q All right. And don't the facts in this case
11 indicate that Andy's potassium before the arrest was within
12 normal limits?

13 A That's correct.

14 Q All right.

15 A But that was done at 2:00 o'clock.

16 Q All right. Well, I thought you said earlier you
17 don't know whether it would change or not between --

18 A Well, I did. But I mean, it may well be higher.
19 I'm just saying that was the level at 2:00 o'clock. We don't
20 know what the level was between 2:00 and 4:20.

21 Q All right. So you say it might have gotten higher
22 before the arrest?

23 A It may have, yes.

1 Q All right, Well, then, if you gave the sodium
2 bicarb twenty cc's, what would you expect to see if it were
3 higher than five point three?

4 A What do you expect the see in terns of what?

5 Q It would go down, wouldn't it?

6 A Well, but it may have been five point six and gone
7 down to five point three.

8 Q All right. No way to know that, though, is there?

9 A No.

10 Q Now, is there anything in the literature that you
11 are familiar with which says that you can expect an infant to
12 have a cardiac arrest with a normal potassium level from
13 hyperkalemia?

14 A Certainly I think anybody can have a cardiac
15 arrest at anytime. I mean, if you have an anomalous coronary
16 artery you don't have to have --

17 Q Well, I didn't state my question clearly. I'm
18 talking about a cardiac arrest produced by hyperkalemia.

19 A No. I mean, I think if it's due to hyperkalemia,
20 you have to be hyperkalemic.

21 Q Okay. And you don't know if this child was
22 hyperkalemic or not, do you?

23 A We -- do you mean before the arrest?

- 1 Q Before the arrest.
- 2 A No. The level was five point one.
- 3 Q Okay. All right. Now, your second probable cause
- 4 was a vagovasal (sic) response?
- 5 A No.
- 6 Q Vasovagal?
- 7 A That's correct.
- 8 Q Resulting in *tevere* prolonged bradycardia
- 9 resulting in cardiac arrest; is that right?
- 10 A Correct.
- 11 Q All right. Now, define for me severe prolonged
- 12 bradycardia.
- 13 A Well, anything longer than thirty seconds.
- 14 Q Anything longer than thirty seconds --
- 15 A Yes.
- 16 Q -- is severe prolonged bradycardia?
- 17 A Yes.
- 18 Q What is bradycardia?
- 19 A Decrease in heart rate below eighty.
- 20 Q Below eighty? --
- 21 A In this size --
- 22 Q So seventy-nine would be bradycardia, right?
- 23 A In this sized infant, yes.

1 Q Seventy-nine would be bradycardia; is that
2 correct?

3 A That would not bradycardia. That's not severe
4 bradycardia.

5 Q Well --

6 A Below forty would be severe bradycardia.

7 Q Below forty. Okay. Well, is it your opinion that
8 this child had severe prolonged bradycardia, that is, below
9 forty?

10 A (Witness nods head affirmatively).

11 Q All right. Prolonged for how long?

12 A You know, I don't know. I'm saying that is --

13 Q Well, under your definition -- what did you say,
14 thirty seconds?

15 A The heart rate at 4:50 was thirty. That's after
16 medication. So I'd have to assume that the heart rate was
17 less than that at the time the resuscitation was started.

18 Q Well, if he had a cardiac arrest it certainly
19 would have been less than that, wouldn't it?

20 A Well, maybe.

21 Q I mean, it wouldn't be --

22 A But I mean, you know, you can start and have the
23 heart at a -- you know, ten, twenty, thirty.

1 Q Yeah. Okay. But at any rate, minus forty you
2 contraindicate severe bradycardia?

3 A In this child at this age, yes.

4 Q Okay. And you say prolonged to you means thirty
5 seconds or longer?

6 A We're again using general terms. You know, I
7 don't want to be held, you know, to total specifics. Yes. ...

8 Q Well, I mean, it was your term, not mine. ...

9 A I understand. I'm saying that.

10 Q So thirty seconds or --

11 A I'm just clarifying it.

12 Q -- longer is prolonged to you?

13 A Yes.

14 Q Now, in order to reach a state of severe prolonged
15 bradycardia, wouldn't you expect a child to suffer some
16 other kind of bradycardia?

17 A I don't know what other kind of bradycardia you're
18 talking about.

19 Q Well, is there mild to moderate?

20 A Well, you know, anything decreasing from a heart
21 rate that's appropriate for the condition at the time down to

22 Q All right.

23 A -- levels which would compromise cardiac function

1 would be a -- you know, a decrease from normal.
2 Q All right. And you used anything below eighty as
3 bradycardia?
4 A Depending, again -- I told you on the clinical --
5 Q In an infant like this.
6 A Well, in an infant with certain other problems.
7 Q Okay. Now, you wouldn't expect it to just drop
8 from eighty to forty like that, would you?
9 A Well, it depends. If it goes into heart block,
10 you will.
11 Q Well, how about in this case?
12 A Well, I mean, that may well have been what
13 happened.
14 Q Well, with a vasovagal response resulting in
15 severe prolonged bradycardia, you wouldn't expect it to
16 drop just from eighty to forty, would you?
17 A Wait a minute. But you're going -- you know,
18 you've got to tell me what you are referring to, mechanism
19 number two, or whether -- what could occur in this child.
20 The child could certainly develop mild bradycardia then go
21 into heart block in a matter of seconds.
22 Q Well, is that your opinion about what happened in
23 this case?

1 3 It could ha-re ~~has~~ I told you thrt it's number
2 one, namber two and namber three. They are not totally
3 exclusive of each other.

4 2 Well, I'm on namber two now.

5 A That's not totally exclusive of going into heart
6 block.

7 Q Okay. Well, what I am trying to find out now is,,
8 though, thio prolonged — severe prolonged bradycardia, would
9 that be preceded by any changes an heart rate?

10 A Well, yes. I mean, obviously --

11 Q Okay.

12 A -- to go from a rate, you know, which is
13 appropriate for a child with a particular condition down to
14 what we would term bradycardia, yeah. I mean, it would have
15 to decrease.

16 Q Well, if that, in fact, is what happened in this
17 case, tell ne over what period of tiuc the heart rate
18 decreased to forty?

19 A I don't know.

20 Q You don't have any idea?

21 A Could be thirty seconds, could be two minutes.

22 Q Could be ten minutes?

23 A Possible.

Q Okay. Twenty minutes?

A I think down to a rate of forty would be unlikely without any symptoms.

Q Okay, What symptoms would you expect to see?

A You know, you would expect to see exactly what happened in this child, perhaps, you know, a cardiac arrest, arching of the back, cyanosis.

Q Well, wait a minute. Perhaps a cardiac arrest wouldn't be a symptom of it going down to forty, would it?

A Well, you know, you can have have the symptoms that look like a cardiac arrest at that particular rate.

Q All right. I'm trying to find out the symptoms you would expect to see as it went from eighty down to forty, which you characterize as severe.

A Cyanosis.

Q All right.

A Shortness of breath. Rapid respirations.

a

A Irritability. Thrashing.

Q All right. What else?

A You know, that's -- you know, I think that's all I can think about right now.

Q All right. And over what period of time would you

1 expect to see those symptoms develop?

2 A Well, it depends on over the period of time that
3 the bradycardia develops.

4 Q Which, in this case, could have been five or ten
5 minutes?

6 A Well, no. You know, I'm -- you know, not without
7 symptoms. In this case, no. That's not what occurred,
8 because we had no symptoms.

9 Q Okay. And you conclude because you have -- you
10 had no symptoms, that therefore it had to have occurred
11 rapidly?

12 A Rapidly, yes.

13 Q Is that correct?

14 A Yes.

15 Q How is it you know that there were no symptoms
16 during the ten minute period prior to the arrest?

17 A By reading the depositions of the parents and the
18 depositions of the nurses bringing the child back up to the
19 room. You know, they noted no -- no deviations.

20 Q What time did the nurses bring the child back to
21 the room?

22 A Let me refer to the record. 4:10.

23 Q Okay. What time did the child arrest?

1 A At 4:20.

2 Q Okay. Now, tell me what the records reflect about
3 the child's condition as noted by the nurses between 4:10 and
4 4:20?

5 A Says returned to the room per crib per Ms. Spicer.
6 N infusing.

7 Q All right. What do the notes reveal about the
8 child's condition between 4:10 and 4:20?

9 A Well, it doesn't reveal that there is anything
10 abnormal. And usually nurses chart abnormal findings. And
11 if the child is fine, they don't have to put in there that
12 the child is fine, well and in good health.

13 Q Well, were the nurses in there between 4:10 and
14 4:20?

15 A If they returned the child to the room at 4:10,
16 then I assume somebody was in there.

17 Q From 4:10 to 4:20?

18 A Well, I don't know whether they were exactly there
19 from 4:10 to 4:20.

20 Q That's what I am trying to ask you, Doctor.
21 You've got opinions about the case. Do you know if the
22 nurses were there?

23 A No. I don't know if they were there. And I'd

1 have to go back to their depositions to be absolutely
2 accurate.

3 Q All right, Now, in this list of signs and
4 symptoms of dropping blood pressure, which would you expect
5 to see first?

6 A No -- dropping what blood pressure?

7 Q When the pressure dropped down to severe, as you
8 characterized it earlier.

9 A We're not talking about blood pressure.'

10 Q Heart rate. Excuse me.

11 B Okay.

12 Q You've listed cyanosis, shortness of breath, rapid
13 respirations, irritability and thrashing?

14 A Right.

15 Q All right. Now, in what order would you expect to
16 see those develop?

17 A I think --

18 MR. HOLMES: Do you mean if they developed?

19 MR. CUNNINGHAM:

20 Q He likes to try to help you because he's --

21 MR. HOLMES: No, I'm --

22 MR. CUNNINGHAM:

23 Q -- worried about whether you can answer it without

1 any help.

2 A I think I can.

3 Q But I think you're doing fine.

4 MR. HOLMES: I think he's going fine, too.

5 MR. CUNNINGHAM:

6 Q So which would you expect to see first?

7 MR. HOLMES: I object to the form of the
8 question,

9 A I don't know. They can be any -- they can come
10 together, be in any sequence.

11 MR. CUNNINGHAM:

12 Q Okay. Now, tell me how you concluded that this
13 child did not become cyanotic before 4:20?

14 A Well, by the observation of the -- you know,
15 nobody -- nobody made any note to the fact that the child was
16 cyanotic. It was only in the nurses observation when they
17 entered the room that the child was slightly cyanotic at that
18 time.

19 Q Nobody made any note that the child was cyanotic?

20 A The depositions of the parents and the
21 mother-in-law did not state that the child was blue,
22 cyanotic.

23 Q Well, there are varying degrees of cyanosis,

1 aren't these?

2 A Well, I think that if somebody had a cardiac
3 arrest --

4 Q We're not talking about a cardiac arrest, are we?

5 A Well, if somebody had significant cyanosis enough
6 to be prompting a cardiac arrest, I think that somebody might
7 see it.

8 Q Well, we're not to the cardiac arrest yet.

9 A But you're -- ten minutes --

10 Q I'm talking about the period of time that you and
11 I have been discussing, that ten minute period --

12 A Right.

13 Q -- when the blood pressure may well have been --
14 or the heart rate may very well have been dropping.

15 A That's correct.

16 Q Okay? That's what I am talking about. Now, tell
17 me how it is you conclude that at no time during that period
18 was this child cyanotic?

19 A Well, I'm telling you by the observations in the
20 depositions and chart that I've read.

21 Q Well, now, the nurses weren't in there during that
22 period, were they?

23 A They were in there at 4:10.

1 They weren't in there between 4:10 and 4:20?

2 The parents in there between 4:10 and 4:20.

3 Were the nurses in there, according to their
4 deposition, between 4:10 and 4:20?

5 MR. HOLIES: For how long?

6 MR. CUNNINGHAM: Any period of time.

7 A It's my recollection that they were. But I'll
8 have to go back and verify that.

9 Q It's your recollection that they were?

10 A Pes.

11 Q All right. And so if they were, you would expect
12 them to see some of these signs and symptoms?

13 A If they occurred, yes.

14 Q Okay. How about if they weren't in there, now
15 would you expect the parents to diagnose shortness of breath?

16 A Let's clear up something, Mr. Cunningham. You
17 know, again, we're not expecting the parents to do anything,
18 okay? This is a child that's not expected to have a cardiac
19 arrest. Nobody's looking for this child to have bradycardia.

20 Q Right.

21 A Why would -- you know, the nurses, if that was
22 expected and everything was reflected, the child would
23 have been put in the intensive care unit to observe.

1 Q Well, now, things --

2 A But what you're --

3 Q -- happen every day in the hospital that aren't...
4 expected, don't they?

5 A That's right. Exactly. Like this, in this case.

6 Q All right. And you look -- you check patients for
7 a reason, don't; you?

8 A Well, they were there ten minutes before the
9 event.

10 Q All right. Well, I'm asking you whether or not
11 this patient developed any of those signs and symptoms before
12 he arrested?

13 A Not that I can find.

14 Q Okay. And you conclude that because there is ¹ E?
15 absence of any note in the record; is that right? ..

16 A No. I conclude that because there is no note to
17 the effect that that occurred, which is the way most people
18 chart. You chart positive findings the majority of the time.

19 Q Okay. All right. Well, did this child become
20 cyanotic before the arrest?

21 A Not as reflected in the chart.

22 Q He did not?

23 A No.

1 Q Okay. Did he become snort of breath?
2 A No.
3 Q Did he develop a rapid respiratory rate?
4 A No.
5 Q Did he become irritable?
6 A No.
7 Q Did he thrash?
8 A No.
9 Q All right.
10 A Which means that the event occurred acutely.
11 Q Which means what?
12 A That the event of the cardiac arrest occurred
13 acutely rather than over a period of time.
14 Q All right. Or it means that it occurred over a
15 period of time and nobody caught at; correct?¹
16 A No. I've already stated that if the symptoms were
17 there, somebody would have seen them. And I would think that
18 parents in a room and a child that is irritable, thrashing,
19 cyanotic, would have been observed.
20 Q If the symptoms were there, somebody should've
21 seen them?
22 A No. I said --
23 Q Is that correccc?

1 A -- somebody would have.
2 Q Oh. So you can't --
3 A You said should have.
4 Q -- conceive of the possibility that **they** were
5 there and not seen?
6 A Oh, I think anything is possible, Mr. Cunningham.
7 Q All right. Okay.
8 Q But we deal the probabilities.
9 Q You don't know, as a matter of fact, whether they
10 were or weren't there during that period, do you?
11 A Okay. I'll try to do it one more time.
12 MR. HOLMES: Who was there?
13 MR. CUNNINGHAM: These signs and symptoms.
14 Q You don't know whether they existed leading up to
15 the arrest or not?
16 A Okay. I'll try to state it one more time.
17 According to the records, the review of the depositions and
18 the observations, they did not exist.
19 Q Okay. If they did exist, should the impending
20 cardiac arrest have been diagnosed?
21 A May have been. May have been just something --
22 you know, something totally unrelated. But should've
23 deserved attention. But again, it didn't exist. And I

1 assume you're talking hypothetically, because those are
2 facts that are not in evidence, *ut* least in the charts that I
3 have read.

4 3 Okay. But if those sips and symptoms did exist,
5 the child should have had some attention; correct?

6 A Yes.

7 Q All right. What would you want to do for a child
8 who began to develop any of these signs and symptoms in the
9 context of **this** case?

10 A Well, you try to find out: what's going on.

11 How do you go about doing that?

12 A Well, again, you go to the bedside, you listen to
13 the heart, feel the chest, see whether the airway is okay and
14 do EKG, if that's necessary.

15 Q All right.

16 A You know, to try to assess what it is, I mean, it
17 could be a seizure, it could be anything.

18 Q Well, how about if you check the heart rate and
19 it's down to about fifty, what would you do?

20 A Then I would be concerned that the child is not
21 not getting adequate oxygen --

22 Q All right.

23 A -- or some other cause of bradycardia I mean. is

1 the child going into heart block.

2 Q All right. And what would you do?

3 A Well, then I would do what's based on the
4 findings. You have to tell me exactly what you want and I'll
5 tell you what I would do.

6 Q You would treat the child's bradycardia?

7 A No. I would —

8 Q Do something to try to restore the heart rate?

9 A I would treat the child's clinical condition, one
10 of which the symptoms is bradycardia.

11 Q All right. And if you did that, you would do it
12 with with the hope of avoiding a cardiac arrest, wouldn't
13 you?

14 A Yes, or other things.

15 Q Okay. What was this child's heart rate at 4:10
16 when he was brought back to his room?

17 A I don't know.

18 Q Is it charted anywhere in the record?

19 A Not that I can find.

20 Q Isn't heart rate an important vital sign in a
21 child this age under these conditions?

22 h Well, certainly. But again, it depends on how the
23 orders were written as to how they should be taken and

1 whether, even if they're not written, then if it dictates by
22 the clinical condition that it ought to be taken, then
33 someone would do it,

44 Q Well, should somebody have taken this child's
55 heart rate?

66 A Not if the child's condition didn't change any.

77 Why would you want to do it at that particular time?

88 Q so the only --

99 A The only focusing on that time is in retrospect,
100 because we know that ten minutes later this child had a
111 cardiac arrest. But if you take a hundred patients in the
122 hospital, why would you want to do it?

133 Q Well, what was the heart rate at the time of the
144 shutdown?

155 A You know, I don't know.

166 Q Well, is there a time you might want to check the
177 heart rate?

18 A I -- you know, they may well have. I don't know
19 what happened.

20 Q Well -- so you don't know what his heart rate was
21 at the shutdown?

22 A The child had no symptoms, okay, of a decreased
23 heart rate.

1 Q Well, you ha? that, but yo~don't know what the
2 heart rate was?
3 A No. As I told you, I don't know. It is not
4 charted.
5 Q All right. Well, see if you can find it, if you
6 will.
7 A I sait it's not charted.
8 Q It's not?
9 A That's correct, at least that I can find in the
10 chart.
11 Q Well, was it charted immediately before the
12 cutdown was done?
13 A What do you mean by immediately?
14 Q Well, within tnirty minutes?
15 A It was charted at 11:25.
16 Q All right. When was it charted after 11:25?
17 A It wasn't.
18 Q Is there any reason not to chart a heart rate on
19 an infant who's having a cutdown --
20 A I don't --
21 Q -- in his condition in the hospital?
22 A That's dictated by the -- you know, the orders and
23 the physicians and so forth. Sometimes it's not necessary.

11 If the child, according to the physician who's in attendance,
22 and the nurses, is stable and having no problem.

31 Q So a physician orders how often the heart rate
41 should be checked?

51 A That's correct.

61 Q What do the orders in this case reveal insofar as,
71 checking the heart rate?

81 A Okay. I'll have to refer to the records, if I can
31 find them.

10 (Pause)

11 A Okay. Reading from the admitting orders on
12 8-28-78, and there are -- there is no specific request for
13 vital signs. However, most physicians, if it's not
14 requested, either t.i.d. or q.i.d.

15 Q What does t.i.d. mean?

16 A Three times a day or four times a day.

17 Q What about q.i.d?

18 A Four times a day.

19 Q Do you know which one was done on this child?

20 A No. I mean, we only had five hours, so I don't
21 know. It obviously was changed after that.

22 Q Okay. What is a vasovagal response?

23 A That's when the impulses from the vagus nerve go

1 to the heart and reduce the heart rate.

2 Q Impulses from the vagus nerve go to the heart?

3 A Uh-huh.

4 Q What causes them to go there?

5 A Well, I mean, that's the innervation to the heart
6 from the brain.

7 Q Well, does the vasovagal nerve always innervate
8 the heart?

9 A In most of us, yes.

10 Q Okay. Well, why would it cause a reduced heart
11 rate?

12 A Well, because that's what happens physiologically
13 if you get excessive impulses from the vagus.

14 Q Okay. Well, when you say a vasovagal response, is
15 it your testimony, then, that Andy had excessive impulses
16 running from his brain to his heart through his vasovagal
17 nerve?

18 A If that's what happened to him, yes.

19 Q Okay. Now, how do you know that?

20 A What do you mean how do I know it?

21 Q How do you know he had excessive impulses going
22 between his brain and his heart through his vasovagal nerve?

23 A Because that's the mechanism in which vasovagal

1 effects occur. And if that's what happened, that's what
2 occurred. Now, there are many cause of a vasovagal
3 response.

4 Q Well, what caused his vasovagal response?

5 A I don't know.

6 Q What caused him to have impulses going between his
7 brain -- his heart through his vasovagal nerve that caused
8 the heart rate to be reduced?

9 A It could be any one of a number of things. I
10 mean, it could be reflux, you know, where food can just go up
11 and down the esophagus. It can be stimulation. It can be
12 pressing on the neck.

13 Q Can it be choking?

14 A Only after significant hypoxia ensues. But if
15 that occurs, you would have sounds that are made. You would
16 have noises.

17 Q So it could be choking?

18 A Well, no. But you'd have symptoms with the
19 choking. Okay 3

20 Q All right. And could it be laryngospasm?

21 A Yes. But again, one has symptoms of stridor. You
22 have other things that go along with that.

23 Q All right. So you can have laryngospasm that

1¹ results in a vasovagal response which causes a reduced heart¹
2² rate?
3³ A Yeah. But you have to have the clinical picture²
4⁴ of laryngospasm.
5⁵ Q Well, now, you don't always have to have a perfect³
6⁶ clinical picture for you to have laryngospasm, do you?
7⁷ A But you have to have something.
8⁸ Q You have to have something?
9⁹ A Yeah.
10⁰ Q Like what?
11¹ A Well, you have to have either a noise, stridor. I¹
12² mean, you have to have something that would go along with
13³ laryngospasm.
14⁴ Q Did the child have anything that goes along with¹
15⁵ laryngospasm? .
16⁶ A Not that I can find.
17⁷ Q Nothing?
18⁸ A Nothing.
19⁹ Q Okay. Do you know why about three doctors that
20 treated him diagnosed the problem as laryngospasm?
21 A Well, I would -- can give you some reasons why I
22 think that occurred. Because I think in any situation, as in
23 medicine, one enters into a differential diagnosis. And you

1 consider a lot of possibilities. And at the time you do
2 that, you write those down, to be perfectly honest.

3 But that wasn't the case. And after they go back
4 and have the time to reflect, as we have over six years, it
5 is not a possibility,

6 Q All right, What else can cause this vasovagal
7 response?

8 A In -- usually increased intrathoracic pressure,
9 decreasing venous return.

10 Q All right. What else?

11 h I'm sure there are other causes. I just can't
12 think of them right now.

13 Q All right. Do I understand your testimony to be
14 that you don't know if he had a vasovagal response that
15 caused the bradycardia?

16 A My testimony is that given this clinical
17 situation, given the findings that are recorded in the chart
18 and given the subsequent sequence of events, I think that the
19 two or three possibilities that I have listed are the
20 probabilities that were active in the problem that this child
21 had.

22 It's getting to be about 12:00. I need to take a
23 break or --

1 MR. CUNNINGHAM: We can take a break. That's
2 fine. Come back at -- what time y'all want to come
3 back?

4 (Off the record discussion)

5 (Lunch Break)

6 MR. CUNNINGHAM:

7 Q Dr. Chalhub, what is your opinion as the cause of
8 the vasovagal response resulting in severe prolonged
9 bradycardia, which is item number two on your list of
10 probabilities?

11 A Well, you know, as I've already said, it could be,
12 you know, certainly a number of things that caused this
13 particular event.

14 In this child it may well have been reflux, it may
15 well have been excessive stimulation, it may well have been
16 just the -- you know, the condition of the child. But more
17 than likely, possibly esophageal reflux.

18 Q Esophageal reflux?

19 A Yes.

20 Q What is that?

21 A That's where contents of the stomach just go up a
22 short way in the esophagus and stimulates the vagus nerve.

23 Q Okay. How high up in the esophagus?

1 A Well, I mean, it has to be certainly below the
2 mouth and the throat because there was no vomitus.

3 3 Okay. Well, how high up do you have to have
4 esophageal reflux in order to get this vasovagal response?

5 A It can be -- you know, it can be just right at the
6 GE junction.

7 Q Okay. So when you say esophageal reflux, what is
8 the difference between that and vomiting?

9 A Well, many people can have reflux coming from the
10 stomach up into the esophagus. If it doesn't come out the
11 mouth, it's not vomiting.

12 Q All right. So the difference is how far up it
13 goes up the digestive tract?

14 A That's correct.

15 Q So you think it may have been esophageal reflux
16 which stimulated the vasovagal nerve?

17 A Yes, that is a probability.

18 Q Okay. Now, where is the vasovagal nerve located?

19 A Well, the vagus comes from the brainstem, the
20 tenth cranial nerve. And it has multiple pathways and goes
21 to multiple organs.

22 Q All right; well, which one of the multiple
23 pathways was stimulated in this case?

1 Just the esophagus.

2 All right.

3 Q And then there are impulses that go back up into
4 the brain, and then it comes back down to the nerves that go
5 to the heart causing the bradycardia.

6 Q Okay. And I guess this is a documented condition
7 in the medical literature?

8 A Yes.

9 Q Okay. Now, many people have esophageal reflux
10 without having their vasovagal nerve stimulated to the point
11 that it causes bradycardia and cardiac arrest; is that
12 correct?

13 A That's correct.

14 Q Why, in Andy Rinkle's case, did his esophageal
15 reflux cause bradycardia and cardiac arrest?

16 A I don't think anybody can tell you that, Mr.
17 Cunningham. It's the same thing with a whole host of -- in
18 other diseases, why everybody doesn't have the same reaction,
19 why children who get viruses get encephalitis versus children
20 who don't get encephalitis with the same virus. I don't
21 know. There's a certain host response that's unpredictable.

22 Q How many otherwise healthy children have you
23 treated who had esophageal reflux which caused stimulation of

1 the vasovagal nerve to the point that they had a cardiac
2 arrest?

3 A Well, this *wilt* not a healthy child. The child had
4 pyloric stenosis with metabolic aberrations, and — if you
5 are referring to this particular situation. A child that's
6 absolutely normal without any problem, I'd have to say none.

7 But that's not usually the children that we see
8 that have problems.

9 Q Okay. Let me —

10 A They may not have any other medical problems.

11 Q All right.

12 A But if *they* come in with GD reflux, then that may
13 be their problem, that may be their *only* problem. It's
14 especially frequent in newborns.

15 Q All right. Well, how many have you seen who had
16 pyloric stenosis a month or so old who had a metabolic
17 picture like Andy's who suffered esophageal reflux which
18 caused cardiac arrest?

19 A I told you before, I've not seen any child with
20 pyloric stenosis that has had a cardiac arrest. But I have
21 seen children with pyloric stenosis that have had a certain
22 morbidity related to their condition and the degree of
23 problem when they came in.

11 Q All right. Well, how many cases are you familiar
22 with in the medical literature where a child with pyloric
33 stenosis at four to six weeks of age with the metabolic
44 picture that Andy had suffered esophageal reflux which
55 resulted in vasovagal response and ultimate cardiac arrest?

66 A I'd have to go back and look at the early British
77 literature. I just don't know.

88 Q So you've never experienced that personally?

99 A No. The reason I say that is, the British treat
100 pyloric stenosis differently than the individuals in the
111 United States. They use a medical approach as opposed to we
122 using a surgical approach, because we think it decreases
133 hospital time and morbidity.

144 Q All right. When you say they use a medical
155 approach, you mean they treat the condition without doing
16 surgery?

17 A That's correct.

18 Q What is the mortality rate in Britain with that
19 procedure --

20 A I don't know.

21 Q -- for pyloric stenosis?

22 A I don't know the recent data.

23 Q Well, what does the old data indicate?

1 A I just don't know that right now.

2 Q All right, Well, pyloric stenosis, then, can be
3 treated medically as opposed to surgically; is that correct?

4 A Yes.

5 Q And can be successfully treated medically; is that
6 correct?

7 A It takes a prolonged period of time, six to eight
8 weeks.

9 Q All right. And --

10 A And that doesn't always result in treatment -- I
11 mean treatment success.

12 Q Well, how about here in the literature in the
13 United States where pyloric stenosis is treated surgically,
14 how many documented cases can you tell us about where a child
15 or Andy's age and wit? his metabolic picture had esophageal
16 reflux which resulted in cardiac arrest?

17 A You know, I don't review that literature, as a
18 neurologist, frequently. So I don't know of the case
19 histories. I mean, there may be a number, there may be none,
20 I just don't know of it.

21 Q Okay. All right. So you don't know of any in the
22 medical literature and you've never experienced any yourself?

23 A I didn't say they didn't exist. I said I

1 personally don't review that literature, so I don't know it.
2 7 Okay. Well, that's what I'm trying to find out.
3 You don't know of any in the medical literature personally
4 and you haven't experienced it?
5 A That's because I don't review the literature.
6 Q I'm not asking why you don't know. I'm just
7 establishing the fact that I think you have told me you don't
8 know of any?
9 A That's correct.
10 Q Okay. And you've not experienced any?
11 A No.
12 Q Okay. Now, how often would you guess children
13 with pyloric stenosis of Andy's age and metabolic picture
14 suffer esophageal reflux?
15 A I don't know how to answer that.
16 Q Well, did Andy suffer esophageal reflux prior to
17 the time of his cardiac arrest or during the ten or fifteen
18 minute period thereto?
19 A I don't know about the ten or fifteen minute
20 period. But I'm certain -- within the previous days when he
21 vomited, I'm almost certain he had some esophageal reflux
22 because he had an obstruction at the duodenum.
23 Q Okay. And he vomited numerous times over a period

1 of days before, didn't he?

2 A Yes.

3 Q Well, why is it that he didn't suffer a cardiac
4 arrest when he had esophageal reflux on any of those
5 occasions?

6 A Same reason that -- in other situations, again,
7 why people who have chest pain don't have heart attacks, I
8 don't know. It's a host response.

9 it's an individual response to a certain stress.

10

11

12

13

14

15

16 Q Well, but if I understand you correctly, it's your
17 opinion that he had esophageal reflux on a number of
18 occasions with no ill consequences?

19 A That doesn't make any difference.

20 Q I didn't ask you if it made any differences. Is
21 that your opinion --

22 A Well --

23 Q -- that he suffered esophageal reflux on a number

1 of occasions before the time of his cardiac arrest which
2 caused him to no particular problem?

3 A An I allowed to answer the question the way I
4 want?

5 MR. DUFFY: Sure.

6 A Can I answer it?

7 MR. DUFFY: Go ahead and answer it.

8 MR. CUMMINGHAM: Sure.

9 A As I have said, you know, - I've given you the
10 probabilities in the order one, two, three. This is one
11 probability.. I have stated that, you know, based on the fact
12 that he was vomiting for that period of time, he most
13 certainly had gastroesophageal reflux. Because I'm sure that
14 there were times when the vomitus just didn't get to the
15 mouth and -- just by the obstruction at the end of the stomach.
16 So yes, it does occur.

17 Q Okay. And on none of those occasions did it cause
18 this vasovagal reflex?

19 A No. Because he hadn't had the cardiac arrest --

20 Q Okay.

21 A -- until he got into the hospital.

22 Q All right. Why didn't it cause this vasovagal
23 reflex on those other occasions?

1 A I don't think anybody can tell *that* you. I can't
2 tell you that.

3 Q You don't know?

4 A No.

5 Q All right. What was it about this occasion that
6 led the esophageal reflux to produce the cardiac arrest?

7 A Again, I don't have any way of telling you that.
8 We have a tragic situation of an event that occurred in a
9 child that we have to explain.

10 And the most logical explanations, in my opinion,
11 are *these*. -- There are no other explanations that I can come
12 up logically that would cause it.

13 Q I understand what your opinion is, but I'm trying
14 to find the **basis** for your opinion.

15 A I'm trying to give you that **basis**. Because this
16 is a mechanism which causes cardiac arrest in a child in this
17 particular situation. I know of no other mechanisms.

18 Q You know of no other mechanisms that can cause a
19 cardiac arrest in a child in this situation?

20 A Other than the ones I have given you, given the
21 laboratory data and the findings and the exam of this child..

22 Q All right. Well, what is it in the medical
23 literature that you can tell us about that supports your

1 theory in this case that it was a vasovagal response to
2 esophageal reflux?

3 A It's documented in literature that I have had the
4 opportunity, since I have been in medicine, to review, that
5 esophageal reflux can cause vasovagal responses which can
6 result in prolonged bradycardia which results in a
7 cardiopulmonary arrest.

8 This is a circumstance in which that can occur.
9 This is a differential diagnosis. This is a differential
10 approach to a certain problem.

11 Q Has all of that been in the medical literature for
12 a significant period of time?

13 A That this particular entity can occur?

14 Q Yeah.

15 A Yes.

16 Q Okay. And do you have to be a pediatric
17 neurologist to be familiar with it, or is that something that
18 most physicians would know about?

19 A I can't speak for most physicians.

20 Q How about pediatricians?

21 A I can't -- you know, I'm speaking for myself.

22 Q Well, how about Dr. McAtee?

23 A You'll have to ask Dr. McAtee.

1 Q Well, then, are you the only fellow that had any
2 dealings with Andy Hinkle that you think knows about that
3 reflux?

4 A No. But you'll need to ask them whether they know
5 about it or not.

6 Q Okay. Did anybody, including yourself, mention
7 anywhere in the hospital record that as a probable or
8 possible cause of his cardiac arrest?

9 A I wasn't asked to express that.

10 Q Did you or did anybody else mention anywhere in
11 the hospital records that as a probable cause of his cardiac
12 arrest?

13 A If I was asked to express the probable cause, then
14 I would have put it down.

15 Q Is the answer, no, you didn't put it down in the
16 record?

17 A No, I didn't put it down in these three things as
18 I have outlined to you today.

19 Q Did you put down any of them?

20 A Yeah. I put down cardiac arrest.

21 Q Did you put down any of the three "dings you
22 listed for me as the probable cause of the cardiac arrest?

23 A I don't recall whether I termed it in that way,

1 no.

2 3 Now, what do the texts say insofar as the
3 vasovagal response and the prolonged bradycardia in terms of
4 length of the bradycardia?

5 A I don't understand that question.'

6 Q Well, you said that the texts recognized that
7 esophageal reflux can result in vasovagal response with
8 prolonged bradycardia; is that right?

9 A That's correct.

10 Q I'm trying to find out what the texts and the
11 literature say about the prolongation or the bradycardia, how
12 long you would expect to see it prolonged?

13 A Well, I mean, you know, it depends, again, on the
14 host, the age, etcetera, and how severe the bradycardia is, I
15 mean, whether it's, you know, seventy-nine, eighty-one,
16 twenty-three and then whether symptoms will occur.

17 Q Well, what --

18 A Different hosts can sustain certain things in
19 different times.

20 Q All right. Well, what does the literature say
21 about hosts that are four to six week old infants in the
22 hospital?

23 A They certainly are liable to have a

1 Cardiopulmonary arrest if the bradycardia is significant, and
2 significant being below forty for a period, you know, of
3" greater than thirty seconds.

4 Q Okay. So the *texts* refer to a period of greater
5 than thirty seconds?

6 A The ones that I am familiar with, yes.

7 Q All right. In the discussion of the prolongation
8 of the bradycardia, they talk about thirty seconds?

9 A Well, you know, again, you're -- this comes from
10 many sources. I mean, I can't get an article out or the
11 textbook out and say, you know, for your particular situation
12 in this particular case with all of these laboratory -- it
13 just doesn't exist.

14 You have to -- you know, as we do in medicine, you
15 correlate a whole host of case reports, articles and
16 observations by individuals and, you know, make logical
17 conclusions.

18 Q w e ~ *does* the literature discuss ranges of the
19 prolongation of bradycardia under these circumstances?

20 A I'm sure it does.

21 Q All right. What ranges does it give for an infant
22 this age?

23 A You know, I'll have to go back and get the source:

1 and give you the ranges. I mean, I'm just guessing right now
2 because they come from different sources.

3 Q So you don't know?

4 A No. I do know. I just can't give them to you
5 specifically right now.

6 Q Well, give them to me generally,

7 A I can't. I've given you the general ranges.
8 Below forty, thirty seconds. That applies whatever the
9 mechanism is. Okay? I mean, whether the mechanism is heart
10 block, whether the mechanism is vasovagal syncope -- I mean
11 or vasovagal response.

12 Q Well, I'm talking about the esophageal reflux with
13 vasovagal response.

14 A Well, the end result is the same.

15 Q I'm not asking about the end result. I'm trying
16 to --

17 A No, no, wait a minute. When I am talking about
18 -- the end result is the bradycardia. Okay? That produces
19 the same mechanisms, the same physiologic response. There are
20 a number of things which can cause the same physiological
21 response like a number of things cause a heart attack.

22 Q Well, that's interesting, but that's not what I am
23 asking you. I'm trying to get you to tell me --

1 Well, I thought that's what you were asking.
2 — what the literature says about the length of
3 bradycardia, length of time this prolonged bradycardia —
4 does the literature discuss how long it will be prolonged in
5 an infant this age?

6 MR. HOLMES: Excuse me a minute.

7 (Off the record)

8 A Again, it's the same mechanism. The bradycardia,
9 if it's greater than thirty seconds, - can produce symptoms
10 from whatever cause it is, whether it's vasovagal, whether it
11 is heart block, whether it's electrolyte disturbance.

12 —
13 All right. I'm not asking you about the
14 mechanism.

15 A Well.

16 Q I'm trying to find out minutes or seconds.

17 A I've told you that. Thirty seconds.

18 Q That's what the literature says?

19 A That's what I have said in relating to the
20 mechanism of vasovagal response as a result of GE reflux.

21 Q Okay. Now, I understand that's what you're
22 saying. I'm asking you what the literature says.

23 A I'm saying that based on my review of the

1 literature. I've already said that.

2 Q Okay. All right. Now, the third probability you
3 gave was a possible anomalous coronary artery resulting in
4 cardiac stress; is that correct?

5 A Or myocardial infarction.

6 Q Okay, Now, tell we all the evidence in the record
7 that indicates this child had an anomalous coronary artery?

8 A Well, the only evidence is the cardiac arrest.
9 And that may be the only symptoms when the child has that
10 problem.

11 Q Only evidence of an anomalous coronary artery is a
12 cardiac arrest?

13 A That's it. There's no other way to know it until
14 that event happens. You know, it's a cause of sudden
15 unexpected death in a number of infants.

16 Q All right. Well, other than the fact that he had
17 a cardiac arrest, what evidence is there in the record of
18 anomalous coronary artery?

19 A There isn't any. one would have to do a coronary
20 arteriogram, and you don't do that on a six week old infant.

21 Q Well, tell me what kind of anomalous coronary
22 artery you think he had.

23 A You know, I don't know what one he had because

1 there's no arteriogram. I mean, the --

2 Q Well, there are all kinds of different ones,
3 aren't there? I mean, there are all kinds of anomalies in a
4 coronary artery, aren't there?

5 A Yeah, But one which would compromise the blood
6 flow to the heart wall --

7 Q Well, what kind is that?

8 A Where there is an aberrant or the artery is not
9 formed.

10 Q Well, I'm talking about what kind of abnormality?

11 A I'm saying that either the artery is not formed or
12 it goes to one coronary artery and it's just not perfusing
13 the myocardium.

14 Q When you say it's not formed, what do you mean?

15 A Congenitally it didn't form.

16 Q Didn't form at all?

17 A NO.

18 Q All right.

19 A Or formed improperly.

20 Q Well, did this one not form at all or did it form
21 improperly?

22 A I don't know that.

23 Q Don't have any idea?

1 A No.

2 Q Well, what would be the signs, if any, of one that
3 didn't form at all?

4 A Cardiac arrest.

5 Q And that's the first indication anybody would have
6 would be a cardiac arrest?

7 A That's the way it occurs in the majority of the
8 cases.

9 Q All right. How about: if it were formed
10 improperly, what would be the first indication?

11 A Well, I mean, you may have nothing, you know, no
12 indications.

13 Q Well, you may have nothing. But what might you
14 have to give you an indication before you —

15 A If you have a cardiac —

16 Q — have a cardiac arrest?

17 A — arrest, some children can, I suppose, have
18 shortness of breath, chest pain, etcetera, I mean, it
19 doesn't have to occur in six weeks. It can occur at a number
20 of times.

21 Q So there are signs and symptoms that may be
22 indicative of this cardiac anomaly?

23 A Can.

1 And what would those be?

2 A You know, again, the symptoms of myocardial
3 ischemia, chest pain, shortness of breath, possibly. I
4 don't know. There are a whole host of things. That's not my
5 particular area of expertise.

6 Q Well, what are the other indications other than
7 chest pain and shortness of breath?

8 A Indications of what?

9 Q Signs and symptoms of this cardiac anomaly that
10 you think he may have had?

11 A I'm just telling you, generally speaking, it would
12 be the signs of congestive heart failure, signs of myocardial
13 ischemia, which are pretty general.

14 Q Well, did he have any of those signs?

15 A No.

16 Q Well, wouldn't you expect to see those if he had a
17 cardiac anomaly?

18 A No. I've Urea & told you -- now, what cardiac
19 anomaly are you talking about?

20 Q Well, any one that you are talking about. Because
21 as I understand it, you don't know which one you're talking
22 about.

23 A I'm talking about aberrant or anomalous coronary

1 artery. I'm not talking about a ventricular septal defect or
2 an atrial septal defect or tetralogy of Fallot.

3 I'm talking about just an abnormal coronary
4 artery. The majority of those cases are undiagnosed, they
5 don't have any symptoms that they present, and suddenly a
6 child will come in in respiratory distress and shortness of
7 breath and die.

8 Q Okay. Well, how did this child's aberrant or
9 abnormal coronary artery produce his cardiac arrest?

10 A Because -- I mean, I must say, the problem -- you
11 know, if that's indeed what occurred, then there was lack of
12 blood flow to the myocardium and it interfered with the
13 conduction system, there was a cardiac arrhythmia and a
14 cardiac arrest,

15 Q How much lack of blood flow?

16 A I don't know what you mean.

17 Q What was the extent of the lack of blood flow?

18 D. HOLMES: Do you mean if it was?

19 A Hypothetically?

20 MR. CUNNINGHAM:

21 Q This is your opinion, as I understand, of what
22 happened.

23 A Well I'm saying it's a third probability, okay?

1 Okay. Well --

2 A I don't know how much lack of blood flu?.

3 Whatever it takes to stop the heart beating. And that varies

4 for every individual.

5 Q So you'd just have to guess on that?

6 A Well, not only -- you'd have to see what the

7 coronary artery distribution is and then you would make a

8 guess.

9 Q Okay. You say it resulted in cardiac stress, this

10 anomalous coronary artery?

11 A I said it could have.

12 Q Could have? Or a myocardial infarction?

13 A That's correct.

14 Q What is a myocardial infarction?

15 A A heart attack,

16 Q All right. So it could be that this anomalous

17 coronary artery caused this six week old -- four week old

18 child to have a heart attack?

19 A That's right.

20 Q Well, is heart attack a medical definition of a

21 myocardial infarction?

22 A No. That's a layman's definition.

23 Q What is the medical definition?

- 1¹ A A myocardial infarction is a myocardial
2² infarction, That's a heart attack. The layman's definition
3³ is heart attack. Myocardial infarction is a medical term.
- 4⁴ Q What does the term infarction mean?
- 5⁵ A It means lack of blood flow to an organ with
6⁶ tissue damage.
- 7⁷ Q All right. And the lack of blood flow in this
8⁸ case would have been from where to where?
- 9⁹ A From the aorta to the coronary arteries to the
10¹⁰ myocardium.
- 11¹¹ Q Would there be any signs and symptoms of that?
- 12¹² A The ones I've already given you. Cardiac arrest:
13¹³ and/or other symptoms.
- 14¹⁴ Q Well, and/or other symptoms that would precede the
15¹⁵ cardiac arrest?
- 16¹⁶ A They may.
- 17¹⁷ Q Correct?
- 18¹⁸ A The majority of the cases with anomalous coronary
19¹⁹ arteries don't have a whole lot of symptoms beforehand.
- 20²⁰ Q Well, do the majority of people that have a
21²¹ myocardial infarction have some sort of symptoms beforehand?
- 22²² A That's different.
- 23²³ Q Do the majority of people with a myocardial

1 infarction have some symptoms beforehand?
2 A We're talking about adults in a different
3 situation,
4 Q Well, I'm talking about myocardial infarctions
5 right now,
6 A Yes. But I'm talking about as a cause of a
7 coronary artery. There are many individuals that come in --
8 I mean, they're just sitting at home, have -- clutch their
9 chest and die. Okay? They may arch, they may have a
10 seizure, they may do exactly what this child did.
11 Q Many of them that don't, too, aren't there --
12 A That's true.
13 Q -- that have signs and symptoms?
14 A Absolutely.
15 Q Okay. What's the percentage?
16 A I don't know.
17 Q Have you ever had any specialty training in
18 diseases of the heart?
19 A As a pediatrician.
20 Q All right. Tell me where you received that.
21 A At the University of North Carolina.
22 Q All right. Who was the chairman of the
23 department?

1 A Floyd Denning.
2 Is he written in the field?
3 A He's in infectious disease. What are you talking
4 about? Are you talking about -- let me -- maybe I don't
5 understand. Do you mean whether I have had a fellowship in
6 pediatric cardiology?
7 Q No. Just training in pediatric cardiology?
8 A Yes. You rotate on the pediatric cardiology
9 service as an intern and as a resident.
10 Q When was that?
11 A 1971 and in 1973.
12 Q All right. Now, tell me what training you have
13 had since 1973 in pediatric cardiology?
14 A Just repeated clinical exposure. I go to
15 pediatric intensive care sessions, I give them.
16 Q Have you written in the field or pediatric
17 cardiology?
18 A No.
19 Q What do you consider to be an authoritative text
20 in that field?
21 A You know, I don't consider any of the texts to be
22 authoritative. I think that, you know, a number of people
23 make contributions in the texts. And, you know, we have to

1 apply it as the situation *dictates*.

2 Q Do you know of any textbooks in the field?

3 A Yet.

4 Q What are they?

5 A Nadas's Textbook of Pediatric Cardiology.

6 Q Spell that, please.

7' A N-A-D-A-S, I believe, or two A's.

8 Q Any others?

9 A Willis Burst, Textbook of Cardiology. --- Cecil and
10 Lobe, Harrison.

11 Q Okay.

12 A And those are all textbooks. They are
13 contributions made by everybody. But, you know, they are
14 general things. You have to apply that to the situation in
15 which you are dealing with.

16 Q All right. And would they discuss the subject of
17 an atherosclerotic coronary artery resulting in cardiac stress or
18 myocardial infarction in an infant?

19 A I'm sure they would.

20 Q Did you review any of those in --

21 A Over the years --

22 Q -- forming your opinions in --

23 A -- I have,

1 Q -- this case?

2 A No.

3 Q How many infants have you cared for in the past
4 who have had this possible anomalous coronary artery
5 resulting in cardiac stress or myocardial infarction where
6 you had no idea about it ahead of time?

7 A Two.

8 Q Were they diagnosed by autopsy?

9 A Yes.

10 Q Okay. What signs and symptoms did Andy Hinkle
11 have that are consistent with anomalous coronary artery
12 resulting in cardiac stress?

13 A Cardiac arrest.

14 Q Is that the only one?

15 A Yes.

16 Q What signs and symptoms, if any, are recognized in
17 these textbooks on pediatric cardiology as preceding cardiac
18 crest resulting from anomalous coronary artery?

19 A The ones I have already listed for you.

20 Q Okay. And the majority of the time, would you
21 expect to see the cardiac arrest occur without any signs and
22 symptoms first?

23 A Again, at least in the experience that I have,

1 that's certainly the majority, two out of two. Now,
2 obviously I don't see a lot of children with anomalous
3 coronary arteries because I'm a neurologist.

4 Q Well, how about according to the literature in
5 pediatric cardiology?

6 A Hell, I'd think that you would then have to go to
7 a pediatric cardiologist.

8 Q You don't know what the literature says?

9 A I don't review that literature. I mean, I can
10 only handle certain specialties.

11 Q Okay. Now, did you ever order or suggest that any
12 tests be performed to determine the condition of this child's
13 coronary arteries?

14 A That was not my responsibility.

15 Q I didn't ask you if it was your responsibility. I
16 asked you if you did it.

17 A No.

18 Q All right. This child was resuscitated, as I
19 understand it, and lived for a period of time after his
20 cardiac arrest, did he not?

21 A That's correct.

22 Q Was any surgery done to correct any anomalous
23 coronary artery?

1 A NO.

2 Did he have any special treatment for an anomalous
3 coronary artery?

4 A They're isn't any treatment.

5 Q There is no treatment, no surgical treatment?

6 A No.

7 Q Well, what do you do in child who has an anomalous
8 coronary artery who suffers a cardiac arrest and is
9 resuscitated? What do you do?

10 A You would hope you could keep them alive until
11 their myocardium revascularizes.

12 Q Well, did you keep him alive until his myocardium
13 revascularized?

14 A We don't know whether he had that, Mr. Cunningham.
15 But obviously he, was kept alive for an extended period of
16 time afterwards.

17 Q Well, tell me what efforts were made and what
18 treatment was rendered for this anomalous coronary artery
19 which caused his cardiac arrest?

20 A I did not say that caused his cardiac arrest. I
21 said that's a differential diagnosis. Obviously, there was
22 no coronary arteriogram done. There was no way to document
23 it, He had no further problems with it.

1 Q Well, having a cardiac arrest is a big problem,
2 isn't it?

3 A I understand that. But since there's no surgical
4 therapy, you don't put somebody at risk to do a coronary
5 arteriogram unless it's highly suspected.

6 Q So is it your testimony that if you have an infant
7 who suffers a cardiac arrest as a result of an anomalous
8 coronary artery, that you do nothing after that?

9 A No, I did not testify to that.

10 Q Well, what would you do?

11 A If I suspected highly that that was the case as
12 the leading probability, then I would get a cardiac consult,
13 ask him his opinion and look into it.

14 Q Well, did anybody ever get a cardiac consult or
15 Andy Hinkle?

16 A No. Obviously, they did not feel that that was
17 the case. But they had the pulmonologist, a pediatric
18 pulmonologist and adult pulmonologist who certainly have a
19 great deal of training in cardiology. They did not feel that
20 was the case.

21 Q Well, did you talk to them and tell them that you
22 thought that was right up on the list of probabilities and
23 somebody ought to get a cardiac consult?

1 A No, because I did not think that was the case.
2 You asked me to list what I thought --

3 Q And You still didn't think it's the case, do you?

4 MR. DUFFY: Let him finish. Let him finish,
5 please, sir.

6 MR. CUMMINGHAM: Go ahead.

7 A I told you what I thought the two most likely
8 probabilities were. The third is a list *that* you have to
9 include in any child that has an unexpected cardiac arrest.

10 Q Okay. Well, wouldn't you agree with me that it's
11 highly unlikely that that's what caused his cardiac arrest?

12 A I don't know that.

13 Q You don't know?

14 A No. I'm not going to agree.

15 Q So you would agree that it is a likely cause?

16 A No. I just said it's in the differential
17 diagnosis.

18 Q Well, did you ever put it in the differential
19 diagnosis on this hospital chart: *for* Andy Hinkle?

20 A Again, I was not asked to do that. I was asked to
21 take care of him neurologically and his seizures and his
22 hypoxic ischemic encephalopathy. They had a pediatrician, ~~an~~
23 several pediatricians, a pediatric pulmonologist, adult

1 pulmonologist, and that is their responsibility .

2 Q Well, did you tell any of them you thought he
3 night have an abnormal coronary artery which caused this
4 cardiac arrest?

5 A No.

6 Q Why not?

7 A Because

8 Q Because why?

9 A Well, because I did not think that that was the
10 likely cause at that time.

11 Q Well, why do you think it is now?

12 A I didn't say it was the likely cause at **this** time.

13 Q Well, do you think it is an unlikely cause at **this**
14 time?

15 A I think it's third on the list or several things.

16 And in all likelihood, as I have said, it is -- you have to
17 list it in a differential diagnosis.

18 Q Well, did any physician at anytime in the care of
19 Andy *that* you know about request a cardiac consult to
20 determine whether or not he had anomalous coronary artery?

21 A Not at the Mobile Infirmary. Whether that was
22 done at the University Hospital, I don't know. I'd have to
23 go back and just look and see.

1 They do most of their own cardiology in the
2 neonatal intensive care unit, so they may well have looked
3 carefully at it.

4 Q But you don't know if they did or did not?

5 A Oh, I know they looked carefully at it, Whether
6 they looked particularly for anomalous coronary artery, I
7 don't know.

8 Q so for all you know, they may have looked for it
9 and determined that it wasn't there?.

10 A That's correct.

11 Q Okay. .

12 A No, they may have looked for it and felt that it
13 wasn't there.

14 Q Okay.

15 (Off the record)

16 MR. CUNNINGHAM:

17 Q Doctor, on the admitting lab reports, are the
18 values that you told us about earlier that you said were
19 normal values -- are they the same for a child as they are
20 for an adult?

21 A In the electrolytes, yes.

22 Q Okay. Now, you have also told us that the child
23 had a cardiac arrest. Would you tell me every indication or

1. direct indication in the chart that the child's heart
2. actually stopped?

3. A The fact that at the time that they started,
4. resuscitating, the time they were able to obtain cardiac
5. rhythm it was below thirty. So I have to assume that it may
6. well have gone -- you know, it may have been ten or twenty.
7. But I would assume that that's essentially equivalent to lack
8. of cardiac output.

9. Well, is there any indication in the chart itself
10. that it was below thirty at anytime?

11. h Yes.

12. Q Show me that.

13. A At 4:50 it was thirty. Okay. Excuse me. It was
14. thirty. I'm reading again from the chart from Mobile
15. Infirmary on the 22nd. And the nursing notes, 4150, heart
16. rate thirty per EKG.

17. Q All right. Well, is there any entry in the chart
18. anywhere which states at his heart rate was ever below
19. thirty?

20. A No.

21. Q And if his heart rate was thirty, that's not a
22. cardiac arrest, is it?

23. A Not at thirty. But, you know, we'd have to assume

11 that -- this child arched his back, became cyanotic and was
12 unresponsive, then I would assume that even if it were
13 thirty, the heart was not perfusing enough blood to the
14 brain.. And we know that by the subsequent brain damage.

15 Q Well, now, a respiratory arrest can produce the
16 same thing, can't it?

17 h No.

18 Q It can't?

19 A Respiratory arrest can produce bradycardia, but it
100 doesn't produce decreased cardiac output unless the heart is,
111 not functioning well.

122 Q Well, it produces decreased oxygen to the brain,
133 doesn't it?

144 A Yeah. But this --

15 Q Whether the blood's flowing to the brain or not?

16 Its. HOLMES: Let him finish his answer.

17 A No. I mean, decreased oxygen in the blood.

18 In. CUI INGEAM:

19 Q All right.

20 A But if you don't have blood flow to the brain then
21 you have -- we've already talked about you're going to have
22 considerably more damage.

23 Q All right. Well, if you have blood flow to the

1 brain but *you have* a respiratory arrest and. no oxygen going
2 into the blood you can suffer brain damage, can't you?

3 A In what situation?

4 Q In this situation right here.

5 A No. Not in this period of time.

6 Q In what period of time?

7 A Of three minutes.

8 Q Well, I thought you told me earlier that that
9 varies and it may be one minute with one child and a six
10 minutes with another?

11 A .170. I beg your pardon. I told you that with
12 hypoxia and ischemia. Okay?

13 Q Well, doesn't hypoxia --

14 A You asked me about hypoxia.

15 Q All right. Doesn't hypoxia occur when you don't
16 have any oxygen flowing from the lungs into the blood?

17 A It can. It's a variation. I mean, hypoxia is
18 just lack of decreased oxygen.

19 Q Right.

20 A But people, and especially babies, sustain that
21 for a longer period of time if ischemia is not superimposed.
22 when you have a heart rate of thirty or probably below
23 thirty, then the heart is not pumping blood. So you have

1 both of them. We know by the degree of brain damage that
2 Andrew Hinkle suffered that he had ischemia.

3 Q Well, now, if you assume that he had a respiratory
4 arrest but that his heart rate never got below thirty, if
5 that respiratory arrest is sustained for a long enough period
6 of time he can still have the same kind of brain damage he
7 had here, can't he?

8 A What's the length of time?

9 Q Well, whatever length of time?

10 A No. That doesn't -- that's not the way it works.
11 You have to tell me what length of time you're talking about.

12 Q No, I'm asking you this question. Is it --

13 A Well, you made a statement. You didn't ask a
14 question.

15 Q Is it or is it not true that if he had a
16 respiratory arrest, even though his heart rate never got
17 ~~sla.~~ thirty, that if sustained for a sufficient period of
18 time, that respiratory arrest can produce brain -- age of the
19 type he had?

20 A I don't -- well, you'll have to tell me what --
21 first of all, a sustained period of time, what length of time
22 you're talking about. And then second of all, what is the
23 effective cardiac output at a heart rate of thirty.

1 a All right. You tell me how long a period it would
2 take in respiratory arrest with a heart rate of thirty in an
3 infant like this for him to suffer brain damage.

4 A It may take just several minutes.

5 Q Okay.

6 A Because the heart, at a heart rate of thirty, is
7 probably not effectively pumping.

8 Q Okay. All right. And how can you then, in this
9 case, rule out the infant having a respiratory arrest?

10 A The infant did have a respiratory arrest. Cut it
11 was a result of the cardiac arrest.

12 Q All right. Tell us how you know that.

13 A Well, because he did not have any respiratory
14 distress first. He suddenly arches his back, he turns
15 cyanotic. And for an event to occur suddenly like that, the
16 heart has to stop.

17 Q For an event to occur suddenly like that, you're
18 taking about the cyanosis?

19 A I'm talking about arching of the back and --

20 Q And the arching of the back?

21 A -- becoming decerebrate and becoming cyanotic.

22 Q Now, where in this record does it say that: he
23 became decerebrate?

1 A That's the description of arching of the back.
2 That's a decerebrate posture.
3 Q Well, where in the record does it say that --
4 A It doesn't have to say in the --
5 Q -- he became decerebrate?
6 A -- record. That's a description that everybody
7 recognizes, decerebrate posturing.
8 Q Well, where does it say that there was decerebrate
9 posturing?
10 A It doesn't say. But, I mean, the facts stand for
11 themselves.
12 Q Is it your testimony that every infant who arches
13 its back is exhibiting decerebrate posturing?
14 A In this particular situation when they are
15 cyanotic and their heart rate goes down to thirty and they
16 have to be resuscitated, yes.
17 Q Well, is it unheard of for an infant who's in
18 respiratory arrest to arch his back?
19 A After a prolonged period of time.
20 Q Well, you said anything about a prolonged period
21 of time?
22 A Well, you have to let me qualify the answer, Mr.
23 Cunningham. You know, I can't do it and tell you facts that

1 are not right.

2 Q Well, the fact is, number one, that you don't know
3 whether the infant ever arrested, period?

4 A We have every indication that that occurred.

5 Q All right. And every indication is a note in the
6 record that shows his heart rate got down to thirty?

7 A No. Every indication is the clinical course, the
8 subsequent brain damage and the problems that the child had.

9 You don't get this type of anoxic or hypoxic ischemic
10 encephalopathy without having lack of blood flow to the
11 brain. And you don't get a lack of blood flow to the brain
12 without the heart effectively pumping it out.

13 Q Well, now, you can have this kind of anoxic
14 encephalopathy by oxygen not going into the blood whether the
15 blood is going to the brain or not, can't you?

16 A Only for an extended, prolonged period of time.

17 Q All right. And you tell us how long it takes.

18 A It would have to take at least in excess of twenty
19 to thirty minutes in a child this age.

20 Q Well, now, you just said three minutes about five
21 minutes ago.

22 A That was with ischemia.

23 MR. HOLMES: You're mixing apples and orange.

1 DR. CUNNINGHAM:

2 Q I'm not talking about ischemia.

3 A Well, that's what I'm talking about. I'm not
4 talking about hypoxia alone. You said in this child, And
5 this child had ischenia.

6 Q Let's talk about anoxic, Okay? Anoxia. With no
7 ischemia. All right, You've got a respiratory arrest.

8 A Uh-huh.

9 Q The heart's still pumping. Now, how long would it
10 take in that circumstance for the child to be brain damaged?

11 h This is a totally unrelated case?

12 Q Hypothetical.

13 A Okay. Well, all I can do is tell you again, as I
14 told you before three hours ago, about the animal data. If
15 you take newborn rats who breathe nitrogen, it takes anywhere
16 from twenty to forty-five minutes before they suffer
17 significant hypoxic damage. Okay?

18 If you cut their head off, it may take ten
19 minutes, okay, before you can see the changes pathologically.

20 Q Okay. Well let's don't talk about rats. Let's
21 talk about infants.

22 A There is no data. There's no way for anybody to
23 tell you that.

1 Q So you don't know?

2 A No, I didn't say that. I said we do know, by
3 extrapolating from physiologic mechanisms and clinical
4 conditions in which we have had the opportunity to monitor
5 children with hypoxia and with ischemia that this is a -- you
6 know, it's a very good correlation. We know that as compared
7 to adults.

8 Q Well, based on all that that you have just
9 described, give me the range in a child this age?

10 A For what?

11 Q To produce the brain damage.

12 A Well, you've got to rephrase the question. We
13 have a number of things going here. What do you want to
14 know

15 Q Well, I thought you just gave me all the
16 conditions that would determine whether there was brain
17 damage. And I'm trying to find the length of time it would
18 take in an anoxic state to produce the brain damage --

19 A To produce the --

20 Q -- in a baby, not a rat.

21 A I understand what you're saying, in a baby. But
22 what baby? This baby?

23 Q This baby?

1 A Due to just *hypo-ria* for a prolonged period of
2 time?

3 Q No. Anoxia. Not hypoxia.

4 A You know, there is no way to absolutely give you
5 absolute figures, But I'd have to say it would be at least
6 approximately twenty minutes.

7 Q Twenty ninutes? All right.

8 Now, how long docs it take a respiratory arrest in
9 an infant like **this** before it would procuce a cardiac arrest?

10 A I'm sorry, I just lost track.

11 Q All right. Assume you htve an infant just like
12 this who suffers a respiratory arrest for whatever reason.
13 How long would it take before that respiratory arrest
14 resulted in a cardiac arrest?

15 A Well, it depends on how much -- how long a time it
16 takes for the myocardium to become ischemic and then have a
17 cardiac arrhythmia and stop flowing.

18 Q Well, what's the range on that?

19 A I don't know the answer to that.

20 Q Don't have *any* idea?

21 A Lo. But you have to -- well, you know, let me
22 back up just a little bit, in terns 02 not having an idea
23 about that.

1 The — you know, the critical things in
2 cardiopulmonary arrests thzt cause problems is not only lack
3 of oxygen and blood flow to the brain, which certainly
4 occurs, but there's lack of oxygen and blow flow to the
5 myocardium. Ana when the myocardium is not able to be
6 stimulated in a resuscitation — which is some of the reasons
7 you resuscitations are not successful. The myocardium just
8 can't respond. It's been ischemic too long.

9 And that's why you have the morbidity that you do.
10 And that that's why it varies. There's no way to predict
11 what that is to each individual infant or adult.

12 Q Well, without knowing how long it would take for a
13 respiratory arrest to cause heart failure, how can you say in
14 this case that the child didn't suffer a respiratory arrest
15 first?

16 A You asked me hypothetically first, okay, what
17 anoxia was.

18 Q Yeah. Hell, I'm talking ~ S o u t h i s case now.

19 A Well, because when you have a respiratory arrest
20 you don't initially arch your back, become cyanotic and drop
21 down to a heart rate of thirty within three minutes. That's
22 jus: not typical.

23 Q If you have a respiratory arrest, you don't become

1 cyanotic?

2 A You've got to do it for a while.

3 Q Got to do what for a while?

4 A You've got to not breathe for a while.

5 Q Okay. How long do you have to not breathe with a

6 respiratory arrest before you become cyanotic?

7 A Several minutes..

8 Q All right. Well, then, how in the world can you

9 conclude that this child did not suffer a respiratory arrest

10 which caused the cyanosis --

11 A -- h child --

12 Q -- which caused the bradycardia?

13 A Okay. The child did have a respiratory arrest.

14 My feeling, based on the clinical presentation and based on

15 the facts, is that the cardiac arrest came first and the

16 pulmonary arrest came second.

17 Q All right. But what I'm trying to get at is --

18 A It ~~us~~ have come together.

19 Q -- the basis for your feeling.

20 A Well, I'm telling you the basis.

21 Q All right.

22 A By the clinical symptoms the child manifested, and

23 they usually do come together. And this child did have a

1 cardiopulmonary arrest, nor two big different events. They
2 are in proximate cause.

3 Q Well, didn't you tell me earlier, though, that the
4 cardiac arrest occurred before the respiratory arrest?

5 A That's my feeling. But, you know, they're so
6 close together it makes little difference clinically.
7 Because both of them happen, you know, in a very short period
8 of time. And usually when your heart stops, you stop
9 breathing. Now, if you stop breathing, it takes a long time
10 for your heart to stop.

11 Q Uh-huh. And is that the twenty minute figure
12 you gave me earlier?

13 A That's for hypoxia. We're not talking about --

14 Q Okay. If you stop breathing, then, how long does
15 it take your heart to stop?

16 A Depends on your age, depends on your underlying
17 condition, depends on a whole host of factors.

18 Q How about in a child like that?

19 A I'd have to say it takes a long period of time.

20 Q Well, what's a long period of time?

21 A Twenty minutes, thirty minutes.

22 Q Twenty minutes?

23 A That's a range. Pil I can do is, again, go back

1 to animal data and extrapolate it for you.

2 } Well, what is the range on respiratory arrest .
3 before you would see cyanosis?

4 A That's several minutes,

5 2 Okay. Now, like there articles and are there
6 textbooks which discuss arching of the back as being a sign
7 or symptom of cardiac arrest in an infant?

8 A I didn't say that was a sign of cardiac arrest, I
9 said that's a sign of decerebrate posturing which reflects
10 lack of blood flow and oxygen going to the brain,

11 Q Well, before you get decerebrate posturing do you
12 have to have brain damage?

13 A No.

14 Q So you can have a perfectly healthy brain but
15 exhibit decerebrate posturing?

16 A No. Your brain is suffering some problem which
17 causes the decerebrate posturing. When you were talking
18 about brain damage, I thought you were referring to a
19 permanent brain damage.

20 Q Well, how long does your brain have to suffer some
21 problem before you would be expected to exhibit decerebrate
22 posturing?

23 A Until it causes tissue changes.

1 Q All right. Well, how long would you expect in an
2 infant like Andy Hinkle for it to take before he exhibited
3 decerebrate posturing?

4 A Now -- okay. Hell, let's back up, okay? You're
5 talking about just as a symptom of decerebrate posturing?

6 Q You told me that the decerebrate posturing
7 occurred because of his cardiac condition; is that right?

8 A I'm telling you that the decerebrate posturing
9 occurred because he did not get oxygen and blood flow to his
10 brain as a result of a cardiac and pulmonary arrest.

11 Q That's what I thought you said,

12 A That's what I said.

13 Q Now, how long does it take between the time of a
14 cardiopulmonary arrest before you would expect to see
15 decerebrate posturing in an infant this age?

16 A It depends on how much ischemia occurs." If that's
17 if there's not much blood flow going to the brain, it takes a
18 relatively short period of time. It may take thirty seconds,
19 it may take two minutes, it may take three minutes.
20 Certainly not a great deal of time.

21 Q Well --

22 MR. HOLMES: I think that's consistent with
23 what he said, Bobbo. You've been over this about ten

1 tines.

2 MR. CUNNINGHAM: Yeah. I don't think it's
3 consistent at all.

4 A Well, how can I clear it up for you?

5 Q But at any rate, it's your opinion that what
6 happened is that he suffered a cardiopulmonary arrest, that
7 that resulted in the decerebrate posturing?

8 A That's correct.

9 Q Is that correct?

10 A Yes.

11 Q All right. So at the time he exhibited the
12 decerebrate posturing, what would have been his level of
13 respiration?

14 A He may have had some respirations. He may have
15 had none.

16 Q All right. What would have been his heart rate?

17 A I would have to think his heart rate would have
18 been very low.

19 Q And you say that that decerebrate posturing can
20 result from that within a matter of thirty seconds?

21 A Yes.

22 Q Okay. And I guess that's documented in the
23 medical literature, too?

1 A In my literature.

2 Q Which of your literature?

3 A The neurological literature.

4 Q Well, tell me some leading neurological texts,

5 A Well, we use mostly the articles in, you know, original research. And I mean, they are in multiple journals. The Journal of Clinical Research, Brain, Annals of Neurology, Neurology, so on. There's a whole host of sources.

10 Q So I could find this thirty second figure in these journals somewhere?

12 A I guess,

13 MR. HOLMES: We don't know whether you can
14 find it or not.

15 MR. CUNNINGHAM:

16 Q Well, is it in there?

17 MR. HOLMES: You might not be as sharp as he
18 is.

19 MR. CUNNINGHAM:

20 Q Is it in there, the thirty second figure?

21 A You'll have to look. I mean, I don't know. I
22 mean, as far as I'm concerned, that's the figures that we
23 use.

1 2 And that's the figures that neurologists generally
2 use?

3 A Again, qualifying it with all of the other things.
4 You don't have one single figure for one single thing. Okay?

5 Q Well, I'm talking about the time between
6 cardiopulmonary arrest and the decerebrate posturing, Are
7 you telling me --

8 A I'm saying in my opinion, based on my review in
9 assimilation of the literature and the data, that it can
10 occur in thirty seconds.

11 Q Okay. Well, you say can occur in thirty seconds.
12 Now, I'm trying -- is that the typical range you see?

13 A Well, you have to go with the lowest range to the
14 highest range.

15 Q Well, tell me the highest range.

16 A You know, I don't know. Again, I don't know what
17 the oxygen tension is. There's a whole --

18 Q What's the range, though?

19 A I don't know that. Up to twenty minutes, I
20 suppose.

21 Q Okay.

22 Q I understand your opinion is that this child did
23 not suffer laryngospasm; is that correct?

1 A In my opinion, the clinical condition of this
2 infant, the presentation, is just inconsistent with
3 laryngospasm.

4 When you say the presentation, at what point in
5 time are you talking about?

6 A The whole thing.

7 Q At the time of the event?

8 A All the way from the time the child got to the
9 hospital to the event.

10 Q Okay.

11 A I see no indication in the chart of what I
12 understand and what I have seen is laryngospasm.

13 Q All right. Well, now, as I understand the record,
14 Dr. Erwin went back to the room with the child with the
15 nurses; is that correct? Is that the way you understand it?

16 A As I understand it, he was standing in the door
17 then went back into the room, yes.

18 Q Okay. And he was there at or about the time of
19 the incident that we're talking about; is that correct?

20 A Yes.

21 Q Who do you think would be in the best position to
22 determine whether or not the child suffered laryngospasm, you
23 or Dr. Erwin?

1 A Well, it depends on whether he had all the
2 information about the observations right before the event.
3 But I'm certain Dr. Erwin is going to be the person to assess
4 the child immediately and try to come to some decision as to
5 what occurred.

6 Now, whether that's the right decision is
7 documented by the facts and then the subsequent events.

8 Q Well, don't you guess that he had occasion to look
9 at the child's airway?

10 A Well; at the time that he was with the child, the
11 child wasn't breathing and had a low heart rate. I mean, so
12 that was after the fact.

13 Q Well, he would be concerned about the airway,
14 wouldn't he?

15 A Well, I think Dr. Baston was most concerned.
16 That's why he put a tube in.

17 Q All right. Well, who do you think would be in
18 better position to determine whether or not the child
19 suffered laryngospasm between you and Dr. Baston, the Pan
20 putting the tube in the child?

21 A Well, he would be, if he looked at the vocal
22 cord,

23 Q All right. What does Dr. Baston say in his

1¹ depositor! about laryngospasm?

2² A I've not seen a deposition from Dr. Baston.

3 Q So you don't know what the doctor who was on the
4⁴ scene putting a tube down the child's throat has to say about
5⁵ whether he suffered laryngospasm?

6⁶ A I do know what's in the chart, Mr. Cunningham, and
7⁷ I do know what the descriptions are. And I know what -- the
8⁸ descriptions of the parents and I know what the descriptions
9 of the clinical sequence are.

10 And in my opinion and estimation, having --
11 putting all of that together, it is not consistent with
12 laryngospasm. Now, if they thought that was the case and
13 they thought that was -- they are entitled to that. You have
14 a differential diagnosis, you consider a whole host of
15 possibilities. That doesn't mean it's right.

16 Q Well, I'm going to go back to my question. So you
17 don't know what the doctor has to say who was there and put
18 the tube down the child's throat at the time about whether or
19 not there was laryngospasm; is that correct or not?

20 A I do not know what Dr. Baston felt --

21 Q All right. Okay.

22 A -- about the vocal cords, no.

23 Q Do you know what Dr. Ervin said about whether or

1 not the child suffered laryngospasm?

2 A No.

3 Q Well, you read Dr. Bertucci's deposition, didn't
4 you?

5 A Yes.

6 Q What did he say that Dr. Erwin told him?

7 A That was at the time of the event. Okay?

8 Q And you here six years later are in a much better
9 position to tell us than the fellow who was there at the
10 time?

11 A Let me see if I can explain that to you again, Mr.
12 Cunningham. Events occur in medicine in which we make
13 assumptions at the time of an acute episode;

14 Now, sometimes those assumptions are not correct
15 because we don't have all the data at that time. We try to
16 do the best we can. And sometimes that's just not correct.

17 When you have all of the data together and you
18 assimilate it all, then you have to come up with what is the
19 most probable sequence of events.

20 Q How do you diagnose laryngospasm?

21 A It's a clinical condition, and then looking at the
22 vocal cords and see if they are clamped down. Now, this
23 child was exchanging air. He didn't make any noise. He had

1 no gargling sound. He simply arched his back and had a
2 cardiac arrest.

3 Q Did Dr. Baston look at the vocal cords?

4 A He had to look at them to put the tube down.

5 All tight. When he looked at then, did he see
6 that the child was in laryngospasm?

7 A If he got the tube down, then he wasn't in
8 laryngospasm, because they're closed and you can't get it
9 down unless you push it through it.

10 Q Did he push it through it?

11 A You know, I don't think so, because he certainly
12 didn't have any problems with it afterwards.

13 Q So you don't know whether or not Dr. Baston looked
14 down the child's throat and saw laryngospasm; correct?

15 A He saw the vocal cords. Now, whether he saw then
16 closed, I assume he didn't, because he couldn't get the tube
17 in.

18 Q All right. You assume he didn't?

19 A Well, he couldn't. If the vocal cords are clamped
20 down, you can't put a tube in.

21 Q So it's your testimony that if you have an infant
22 in laryngospasm you cannot put a tube in?

23 h Unless you damage the vocal cords.

1 Q Have you intubated any infants lately?

2 A Yes.

3 Q Do that frequently?

4 A No, because I -- we don't like to do that unless
5 we have to.

6 Q Okay. Well, so as I understand it, if Dr. Baston
7 says the child was in laryngospasm, you say the child was
8 not; is that correct?

9 A No, I did not say that. I said as the data
10 appears to me, with the clinical sequence of the events, it
11 does not appear to me that he was in laryngospasm.

12 Q Well, if Dr. Baston says that he was in
13 laryngospasm then you'd be wrong, wouldn't you?

14 MR. HOLMES: I object to that.

15 A Did Dr. Baston say that? If he does, show it to
16 me and let me look at it.

17 MR. CUNNINGHAM:

18 Q Well, your lawyer's the one that's supposed to
19 give you the depositions, not me.

20 A Well, I don't have his deposition.

21 MR. HOLMES: Well, I'm not his lawyer in the
22 first place. But I think you ought to ask him about the
23 deposition and let him see it.

1 MR. CUNNINGHAM:

2 Q Do you recall reading this statement made by Dr.
3 Bertucci on page twenty-five line four, quote, "Dr. Erwin
4 called me and said that the baby had gone into laryngeal
5 spasm and caused subsequent cardiac arrest."?

6 A Can I look at that?

7 Q Sure.

8 MR. DUFFY: What page are you on?

9 MR. CUNNINGHAM: Twenty-five.

10 A I recall that. And again, it's just a different
11 interpretation of the data. This was at the time of the
12 event. He didn't have all the data, Mr. Cunningham. I'm
13 just -- you know, that's all I can tell you.

14 Q Well, he had a lot more --

15 A You have to make it on the basis of the symptoms
16 and the clinical condition. Now, Dr. Erwin didn't look at
17 the vocal cords.

18 Q Well, don't you think Dr. Erwin knows anything
19 about symptoms and clinical conditions of his own patient?

20 h I don't know how much he knows about laryngospasm.
21 Unfortunately, we can't ask him.

22 Q Well, he knew enough to state to Dr. Bertucci that
23 that's what he thought it was?

1 A You had an acute, catastrophic event which was
2 unexpected in an infant. If I would have seen the infant at
3 that time I might have considered laryngospasm also. It just
4 didn't occur as the facts all come out.

5 Q In your opinion, was this infant properly
6 positioned immediately before the arrest?

7 A Properly positioned for what?

8 Q For anything.

9 A Yes. I have no problem with the positioning.

10 Q okay. Are you familiar with any literature that
11 discusses the proper positioning of infants with pyloric
12 stenosis with a history of vomiting?

13 A Specifically, no.

14 Q All right, Are you familiar with any literature
15 which discusses the subject of placing the infant on its side
16 or its stomach when it has pyloric stenosis and a history of
17 vomiting?

18 A That's if the -- yeah, I mean, if it's continued
19 protracted vomiting, yes. But this child hadn't vomited
20 since he was at the hospital. I see no reason, after the
21 operation -- he has a saphenous vein cutdown, to be propped
22 up on its back and being observed.

23 Q When you say propped up on its back, what do you

1 mean?

2 A Had the towel rolled underneath the back, or the
3 sheet.

4 Q Hhere under the back?

5 A The shoulders and the head,

6 Q Shoulders and the head? Where can you get that?

7 A In the nurse's deposition.

8 Q Which one?

9 A The two that brought him back.

10 Q Okay. And in your judgment, khat would be
11 entirely proper?

12 A I don't see anything improper about it.

13 Q Are you familiar with any literature which
14 supports the propo^sition that that is proper?

15 A I think that there's literature supporting a
16 number of positions, okay? you have to take your individual
17 case at that individual time with the circumstances and then
18 apply it. General statements don't apply to individual
19 circumstances.

20 Q Is that what you tell nurses that work for you,
21 that I can't tell you generally what to do, it's going to
22 differ for every patient?

23 A Yes. I tell them to use their own judgment and

1 use their own clinical judgment, if they have any questions
2 to call me or ask me.

3 Q Okay. So you wouldn't expect the nurses at the
4 Mobile Infirmary to have been given any general instructions
5 about the care of an infant with pyloric stenosis and a
6 history of vomiting about the positioning?

7 A I don't know what the nurses were given in terms
8 of the general instructions.

9 Q Well, do you know what the requirements of the
10 Mobile Infirmary were insofar as positioning with an infant
11 who had pyloric stenosis and a history of vomiting?

12 A I don't know whether there is a policy manual that
13 exists for that. If there does, I'm not aware of it.

14 Q So you don't know whether or not, by this infant
15 being on its back, whether it violated any policy of the
16 Mobile Infirmary?

17 MR. WFFY: Wait just a minute. There's no
18 evidence that the infant was on its back except from the
19 parents.

20 MR. CUNNINGHAM: Well, that's what he said.

21 MR. DUFFY: He didn't say that.

22 MR. CUNNINGHAM: Yes, he did not certainly
23 did.

1 MR. DUFFY: He did not, He said propped, up on
2 his side,

3 MR. CUNNINGHAM: He said on his back. Now
4 you're trying to change it.

5 Q Didn't you say on its back?

6 A The infant was propped up. Listen, that has
7 not-ing to do with the event, Mr. Cunningha.

8 Q That's fine. But didn't you say --

9 A Yes, I --

10 Q -- that he was on his back?

11 A Yes, I did say that.

12 Q That's what I thought. Okay.

13 Now, do you know whether or not that violates the
14 policy or the Mobile Infirmary insofar as its pediatric
15 nursing?

16 A No. I have not seen any policy that states that
17 you must put infants in a certain position.

18 Q So you -- are you saying you don't know or you do
19 know?

20 A If it's exists, I'll be glad to look at it and
21 give you -- I don't know that such a policy exists.

22 Q Okay. Now, is it standard procedure to empty the
23 belly after an upper GI series on an infant like this?

1. I think we've been through that before.

2. We touched on it, but we haven't been through it.

3. MR. DUFFY: We've been through everything in
4. the kitchen.

5. MR. CUNNINGHAM: We're going to go through it:
6. this time.

7. Again, that's a decision or the radiologist. As
8. far as I can tell from the radiologic literature, one can go
9. do it both ways. And that has to be their decision. And
10. obviously people do it both ways and have no problems either
11. way.

12. Q Okay. so you've looked at the radiological
13. literature on that subject?

14. A Briefly, yes.

15. Q When did you do that?

16. A Within the past two years.

17. Q All right. Why did you do that?

18. A Well, because I was asked to look at this case.

19. Q Okay. What literature did you look at?

20. A The radiologic journals and the radiologic
21. textbooks.

22. Q And you found articles that said you should do it
23. and articles that said you don't need to do it?

1 A And I've also talked with the chairman of
2 radiology at the University of South Alabama.
3 Q Who's that?
4 A Dr. Robinson.
5 Q When did you talk with him?
6 A Oh, a year ago.
7 Q What did you and he discuss?
8 A I just asked him about their -- what they do for
9 their children.
10 Q And what did he say?
11 A Whether there is any -- he just said that it's
12 done by different radiologists different ways.
13 Q What do you understand to be the reason for
14 emptying the stomach?
15 A Well, I mean, obviously if the stomach is
16 overdistended and the pylorus is completely obstructed, then;
17 you know, you may have vomiting.
18 Q All right. What's wrong with that? I mean, why
19 do you want to avoid that?
20 A Well, sometimes, you know, when you try to get it
21 out you can also stimulate the pharynx, you can also cause a
22 vasovagal response by just doing that. So if it's not a
23 problem, you don't bother with it.

1 Q What other reasons did the literature give for
2 evacuating the barium?
3 A Just the same reason I did, vomiting and
4 aspiration.
5 Q Aspiration?
6 A Uh-huh.
7 Q Why do you want to avoid that?
8 A Well, I mean, you can get aspiration pneumonia.
9 Q What else can happen to you when you aspirate
10 other than get pneumonia?
11 A Well, I mean, I guess other complications can
12 arise, you know, and you could have further morbidity.
13 Q All right. Now, the literature that says you
14 don't need to do it, what were the reasons given for not
15 doing it?
16 A For the same thing, is that you don't necessarily
17 do procedures that are unnecessary, because it causes more
18 stimulation of an infant that's already ill.
19 Q All right. Now, where did you go to medical
20 school?
21 A Emory University.
22 Q Where did you do your immediate postgraduate work?
23 A What do you mean? Do you mean internship?

1 Where did you do your residency and your
2 internship?

3 A I did my internship at the University of North
4 Carolina at Chapel Hill. I then did -- that's all right.
5 You can ask me what you want.

6 Q All right. What came next?

7 A I did an infectious disease and virology
8 fellowship at the National Institutes of Health.

9 Q All right. What next?

10 A Then I did a pediatric residency at Washington
11 University, Barnes Hospital.

12 Q All right. Did they evacuate the belly in infant!
13 at Barnes?

14 A I don't know. There were at least sixty
15 radiologists on the staff, so I don't know what each one of
16 them did.

17 Q Well, do you know what the department policy was
18 there?

19 A NO.

20 Q Have you made any inquiry?

21 h No.

22 Q All right. How about at UNC or NIH, did you --

23 A We didn't do that at NIH.

1 Q Where did you go after Barnes?

2 A I stayed there at St. Louis Children's Hospital,
3 did a pediatric neurology fellowship and an adult neurology
4 fellowship.

5 Q Did they evacuate the stomach there in upper GI
6 series?

7 A They pay have. I wasn't doing that at that time.

8 Q Well, do you know whether they did or not?

9 A NO.

10 Q Have you ever been at an institution where you are
11 aware of it being standard procedure to evacuate the belly?

12 A I may have. I don't know the standard policies
13 concerning the radiology department.

14 Q Where did you go after you left St. Louis?

15 A I went to the University of Arkansas.

16 Q What did you do there?

17 A I was in charge of child neurology and taught on
18 the full time faculty.

19 Q Medical school?

20 A Yes.

21 Q How long were you there?

22 A Two years.

23 Q Do you know what they did there insofar as

1 evacuating the belly of barium?
2 4 No, I don't know the policy.
3 Q What was your reason for leaving there?
4 A I wanted to cone down to the Gulf Coast.
5 Q Is that the only reason?
6 A Yes. I wanted to go into private practice and do
7 academic medicine at the same time.
8 Q What was your reason for leaving St. Louis
9 Children's Hospital?
10 A It was time.
11 Q Excuse me?
12 A it was time.
13 Q It wao time?
14 A Right.
15 Q What is your relationship with the Mobile
16 Infirmary?
17 A I'm on their media staff.
18 Q And how long have you been on the nedical staff?
19 A Since 19 -- I guess I have been on the courtesy
20 staff since 1978, and than the full time staff two years
21 after that.
22 Q Do you hold any positions?
23 A What do you ncan?

1 I Are you chairman of any departments or do you hold
2 any titles?

3 A No.

4 Q Other than the fact that you are just a doctor,
5 what is on the staff?

6 A No. I'm -- everybody that are full-time members
7 of the staff serve on various committees.

8 Q What committees are you on?

9 A Continuing medical education committee and the
10 rehabilitation committee.

11 Q What is the rehabilitation committee?

12 A Rotary Rehab.

13 Q What is your position on the continuing medical
14 education committee?

15 A I'm a member.

16 Q Have you ever held any other positions at the
17 Mobile Infirmary?

18 A Just on various committees. But, you know, I
19 really can't tell you what those are over the years.

20 Q All right. Was this -- was Andy Hinkle's case
21 ever reviewed by any committee at the Mobile Infirmary?

22 A They ~~a~~ well have. I'm not aware of it if it
23 was, but that doesn't mean it wasn't.

1 2 You weren't involved in it if it was?

2 A No.

3 3 Have you ever been a defendant in a medical
4 malpractice case?

5 A No.

6 Q Have you ever received an inquiry that led you to
7 believe you might be a defendant?

8 A We receive those inquiries all *the* time.

9 Q All right. Have you ever expressed an opinion
10 that you thought you were about to be a defendant in a
11 medical malpractice case?

12 A I think that we -- you know, everyone that has
13 records subpoenaed, you know, always has to consider that
14 possibility.

15 Q Well, does that mean that you have had records
16 subpoenaed and have considered that possibility? .

17 A Sure. I have a number *from you: office.*

18 Q Okay. Did you ever express an opinion that the
19 Cunningham firm was getting ready to sue you?

20 A No. I have had had --

21 MR. DUFFY: What difference does it make?

22 MR. CUNNINGHAM: Well, I don't know. Maybe it
23 makes no difference. But I don't know unless I ask.

1 MR. DUFFY: I don't see any relevancy at all.

2 I think it's a bunch of wasted time.

3 4 I certainly hope not. But I've not had any direct
4 correspondence.

5 MR. CUNNINGHAM:

6 3 So you've never told anybody that you understood
7 you were about to get sued --

8 A No.

9 P -- at anytime in the past?

10 A Well, I mean, I'm sure we all discuss, you know,
11 records that are subpoenaed.

12 MR. CUNNINGHAM: Okay. Is anybody else going
13 to ask any questions? If you are, you can go ahead and
14 I'll be looking over my stuff while you do it.

15 MR. HOLMES: Are you going to ask any more?

16 MR. CUNNINGHAM: I'm sure I will, after you
17 get through.

18 MR. HOLMES: I don't know that I have any.

19 Want to take a short break?

20 MR. CUNNINGHAM: Yeah.

21 (Short break)

22 MR. CUNNINGHAM:

23 Q Dr. Chalhub, what professional organizations are

1 you a member of?

2 A There are a number of them. The American Academy
3 of Pediatrics, the American Academy of Neurology, the Child
4 Neurology Society, the American Medical Association, the
5 Southern Society for Neurological Research, the Southern
6 Society for Child Neurology, the American EEG Association, . .
7 the Southern Society of Electroencephalographers, the Alabama
8 Medical Association, the Alabama Pediatric Association. Is
9 that --

10 Q All right. How long have you been a member of the
11 American Academy of Pediatrics?

12 A Since 1978.

13 Q All right, Does that academy promulgate
14 recommended standards of practice?

15 A No. I think that they have their -- they have
16 recommendations for certain situations and certain entities,
17 but that does not set a standard of care,

18 Q Okay. They do have recommended practices, though;
19 is that correct?

20 A They have committees who review issues and give
21 recommendations from that committee.

22 Q What recommendations, if any, has the American
23 Academy of Pediatrics made on the issue of positioning of an

1 infant with pyloric stenosis?

2 A I don't know those, if they exist.

3 Q All right. What position, if any, has the
4 American Academy of Pediatrics taken with respect to the
5 evacuation of the belly of an infant with pyloric stenosis?

6 A I don't know that.

7 Q What position, if any, has the American Academy of
8 Pediatrics taken with respect to the training required of
9 pediatric surgical and floor nurses?.

10 A If any, I don't know them.

11 Q Okay. Well, in your medical judgment, should
12 pediatric surgical and floor nurses have special training in
13 their field?

14 A Well, I mean, I think it's commensurate with good
15 nursing care. I mean, if they go to nursing school and they
16 have instruction and continued medical education. You know
17 in terms of pediatric intensive care, that requires a
18 different level.

19 Q All right. What level does that require?

20 A I mean, it would require, you know, training in
21 pediatric intensive care problems and experience in a
22 pediatric intensive care unit.

23 Q Okay. How about nurses who are assigned to the

1 pediatric floor, should they have any special training above
2 and beyond that of a registered nurse who is not on a
3 pediatric floor?

4 A I think they should have experience dealing with
5 children. But again, you know, I don't know the
6 requirements. And if you want to know those, you can ask the
7 director of nursing and so forth.

8 Q So you don't know what requirements, if any, there
9 are at the Mobile Infirmary along those lines?

10 A I don't know what the requirements are to work on
11 the pediatric floor, no.

12 Q Would you expect there to be some different
13 requirements for working on that floor?

14 MR. DUFFY: He doesn't know. I don't know
15 what he'd expect.

16 A I mean, I can't speak for the Mobile Infirmary.

17 MR. CUNNINGHAM:

18 Q well, would you as a physician expect that there
19 would be some requirements for a nurse to work on the
20 pediatric floor?

21 A I would expect that they would have some pediatric
22 nursing. Now, whether their requirement is nursing school or
23 what other experience, that's up to the Infirmary to decide.

1 Q And you don't know what that is?

2 A No.

3 MR. CUNNINGHAM: Okay. Thank you.

4 MR. HOLMES: I don't have any. That's all.

5 FURTHER, DEPONENT SAYETH NOT.

6

7

8

9

10

11

ELIAS G. CHALSB

12

13

14

15

16

17

18

19

20

21

22

23

DEPOSITION OF ELIAS CHALUB, M.D.
[CHARLES WESLEY HINKLE]

TAKEN ON MARCH 1, 1985
by ROBERT T. CUNNINGHAM, JR., ESQ.

Pg / Ln

73/18
Defines
Anoxic
Enceph.

ANOXIC ENCEPHALOPATHY;

Lack of oxygen going to brain, and often lack of blood flow, resulting is a diffuse involvement of the central nervous system

74/19

Young children can sustain periods of hypoxia and ischemia for an extended period of time without necessarily having permanent brain damage.

81/11

Anoxia is different

Anoxia - lack of oxygen
Ischemia - lack of blood flow

You can be hypoxic or anoxic without being ischemic

110/6

"How do you treat somebody who's sick? You ~~have~~ave to find out what's matter with them."

161/21-23

If cardiac arrest was expected, the child would have been put in ICU to be observed. . . .

[No more lab work done on Ashley]

- 176/19 Why children who get viruses get encephalitis versus children who don't get encephalitis with the same virus . . .
 There's certain host response that's unpredictable,
- 178/6 the Aritish Literature
- 208/5-7 Arching back can't be produced by respiratory arrest
- 209/17 **Hypoxia** is just decreased oxygen
- 211/10 Because he had respiratory arrest as the result of cardiac arrest / no distress first
- 211/21 Becoming decerebrate and cyanotic
- 217/19 Because when you have a respiratory arrest you don't initially arch your back, become cyanotic and drop down to a heart rate of 30 within 3 minutes, That's just not typical
- 220/9 Arching back is a sign of decerebrate posturing which reflects lack of blood flow and oxygen to the brain!!!!

CHALHUB DEPOSITION (HINHLE) 3-1-85

(He was the treating physician testifying as an expert for the Defendants).

9/22. Child had a hypoxic ischemic encephala.

12/18. Caused by cardiac arrest.

14/16. Cardiac arrest probably caused by a metabolic abnormality

52/4. Testified once that a doctor failed in proper resuscitation

57/9. Consults and reviews records for St. Paul Ins.

57/20. Contacted by their claims reps.

78/16. When the blood flow does not go to the brain for even a period of one, two, or three minutes, then the brain suffers irreparable damage.

81/8. If its anoxia and ischemia, then its a much shorter period of time.