

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

IN THE COMMON PLEAS COURT
SUMMIT COUNTY, OHIO

* * * * *
JUDITH BERARDUCCI, et al.,

Plaintiffs,

VS.

C. OLAES, M.D., et al.,

Defendants.
* * * * *

CIVIL ACTION NUMBER
CV-89-01-0207

The testimony of ELIAS GEORGE CHALHUB, M.D., taken at
his office located at 1720 Springhill Avenue, Suite
422, Mobile, Alabama, on the 9th day of July, 1990,
commencing at approximately 1:35 o'clock, p.m.

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

A P P E A R A N C E S

**FOR THE PLAINTIFFS: SPANGENBERG, SHIBLEY, TRACI
 & LANCIONE
 ATTORNEYS AT LAW
 1500 NATIONAL CITY BANK BUILDING
 CLEVELAND, OHIO 44114**

BY: WILLIAM HAWAL, ESQ.

**FOR THE DEFENDANT - AMERMAN, BURT & JONES CO., L.P.A.
MEDINA COMMUNITY ATTORNEYS AT LAW
HOSPITAL : 624 MARKET AVENUE, NORTH
 CANTON, OHIO 44702**

BY: JOHN P. VAN ABEL, ESQ.

**LISA ELMORE PETERS
COURT REPORTER**

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

I N D E X

Examination:
By Mr. Hawal

Page:
06

Exhibits:
Plaintiff's Exhibit 1

Page:
06

(NOTE: Plaintiff's Exhibit 1 was retained by Mr. Hawal.
Copies of the Exhibit are not attached to this transcript.)

1

2

S T I P U L A T I O N

3

4

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

It is stipulated by and between the parties hereto and their respective attorneys at law that the deposition on oral examination of the witness, ELIAS GEORGE **CHALHUB**, M.D., may be taken before Lisa Elmore Peters, Commissioner, Notary Public for the State at Large, and that the said deposition shall be taken in accordance with the provisions of the applicable sections of the Ohio Rules of Civil Procedure.

It is further stipulated that all notices provided for by said applicable sections of the Ohio Rules of Civil Procedure are waived, as is the signing and certification of said Lisa Elmore Peters and all other requirements and technicalities of every sort regarding the taking and filing of the deposition, except as hereinafter set out:

All objections save as to the form of questions asked are reserved until the time of trial in accordance with the applicable provisions of the said Ohio Rules of Civil Procedure.

1 Further, it is stipulated that the original of
2 this transcript will be delivered to William Hawal, Esq., as
3 custodian.

4
5 It is further stipulated and agreed that the
6 witness hereto reserves the right to read and sign said
7 deposition as provided for by said Ohio Rules of Civil
8 Procedure.

9
10 * * * * *

11
12 I, Lisa Elmore Peters, Commissioner and Court
13 Reporter, certify that on this date, as provided by the Ohio
14 Rules of Civil Procedure and the foregoing stipulation of
15 counsel, there came before me at his office located at 1720
16 Springhill Avenue, Suite 422, Mobile, Alabama, on the 9th
17 day of July, 1990, commencing at 1:35 o'clock, p.m., ELIAS
18 GEORGE CHALHUB, M.D., witness in the above cause, for oral
19 examination, whereupon the following proceedings were had:

20
21
22
23

1

2

(Plaintiff's Exhibit 1 was received
and marked for identification.)

4

5

ELIAS GEORGE CHALHUB, M.D.

6

7

a

The witness, after having first been duly sworn to
tell the truth, the whole truth, and nothing but the
truth, was examined and testified as follows:

9

EXAMINATION

10

BY MR. HAWAL:

11

Q

Doctor, will you tell us your full name for the
record, please?

12

13

A

Elias George Chalhub.

14

Q

Doctor, I'm handing you what has been marked
Plaintiff's Exhibit 1, which is a facsimile copy of the CV
that was provided to me last week. Can you take a look at
that and tell me whether or not that is a current,
up-to-date copy of your curriculum vitae?

18

19

A

Yes, I think it is current.

20

Q

Thank you.

21

22

Do you have any recollection or any records which
would indicate when you were first contacted in this case?

23

A

I believe in **1988** I was first contacted, but then

1 to my memory, the case was closed or something happened and,
2 you know, I discarded all of the records that I had because
3 apparently I was told it was not going to continue. Then I
4 was recontacted sometime after that and I can't tell you
5 exactly when.

6 Q When you were first contacted, were you provided
7 with any materials such as medical records concerning this
8 infant or the pregnancy of the mother or --

9 A Yes, I was provided the maternal and the child's
10 records, but to be honest with you, I can't go back that far
11 and tell you exactly what I had.

12 Q Okay. Do you recall whether or not you had any
13 discussions whereby you had reached an opinion concerning
14 the causation of this child's brain damage at that time?

15 A I really don't know.

16 Q All right. How was it that you were contacted?
17 In other words, how was it that Mr. Van Abel or
18 someone first contacted you to be an expert in this case?
19 Do you recall?

20 A I don't know. I'm sure if you asked them, they
21 would be glad to tell you, but I don't know.

22 Q You have a number of materials in front of you.
23 Would it be fair to say that that comprises your file on

1 this case?

2 A Correct, except the x-rays and I don't have those
3 with me today.

4 Q What x-rays are you talking about?

5 A The CT scans.

6 Q You have had those or have reviewed them?

7 A Yes.

8 Q In terms of the materials that you have here with
9 you today, can you give us a summary of what those materials
10 are?

11 A Sure, I had the feeling you would ask.

12 Q All right. You wrote --

13 A I wrote them down. Do you want me to just read
14 them off for you?

15 Q Please.

16 A The CT scans that are included in his Akron
17 Childrens Hospital records, the subsequents one, Medina
18 Community Hospital records of the baby and the mother,
19 Childrens Hospital Medical Center of Akron, Akron
20 Neonatology follow-up letters, the Kent, I guess
21 Developmental - I can't even read my own writing - records,
22 Cleveland Foundation Clinic records which includes Dr. Cruse
23 -- K-R-U-S-E?

1 **Q** C-R-U-S-E.

2 **A** Okay. The Hattie-Larham Foundation records,
3 expert reports of a Dr. Roessmann, Dr. Korotkin,
4 K-O-R-O-T-K-I-N, and Dr. Barden. Then depositions of
5 Deborah Kappy, Lucile Zitko.

6 **Q** Zitko.

7 **A** Zitko, Z-I-T-K-O. Carol Roberts, Jane Brock, Jean
8 Herman, Dr. Olaes, and that's O-L-A-E-S, and Judith
9 Berarducci.

10 **Q** Any additional expert reports that you've
11 reviewed?

12 **A** That's the only ones.

13 **Q** You have not seen the reports of Dr. Woods or Dr.
14 Gyves or Dr. Tang?

15 **A** **No.**

16 **Q** Have you reviewed any pathology slides?

17 **A** No.

18 **Q** Have you reviewed any pathology reports
19 pertaining to the pathology slides of this placenta?

20 **A** Just the ones in the chart.

21 **Q** In terms of the CAT scan films that you reviewed,
22 were those the CAT scan films that were taken at Akron
23 Childrens Medical Center or did you also review subsequent

1 films that were taken at the Cleveland Clinic?

2 A I think there was one at the Cleveland Clinic,
3 but certainly the ones that were taken at Akron.

4 Q Were those copies of original films, to your
5 knowledge?

6 A Yes, not the original. Those were copies.

7 MR. VAN ABEL: Excuse me. Off the record.

a (Off the record discussion)

9 BY MR. HAWAL:

10 Q Doctor, in terms of your review of the CAT scan
11 films, are you qualified to read and interpret the CAT scan
12 results?

13 A I believe so.

14 Q All right. Are you qualified to be an expert as
15 a neuroradiologist?

16 A Well, I'm not a neuroradiologist but as a
17 physician who specializes in neurology, in child neurology,
18 we read our own scans. If there's any problem, then we
19 would consult with a radiologist or neuroradiologist, but,
20 you know, I think the physician that's treating the child is
21 certainly in the best position to interpret his films.

22 Q So it is fair to say that that is a common
23 practice in the field of pediatric neurology?

1 A Correct.

2 (INTERRUPTION)

3 THE WITNESS: Can we stop one second and let
4 me get this?

5 MR. HAWAL: Sure.

6 (Short break)

7 BY MR. HAWAL:

8 Q Doctor, what percent of your practice is limited
9 to adult neurology as opposed to child or adolescent
10 neurology?

11 A None of adult neurology.

12 Q All right. You're --

13 A Well, I take that back. Probably five percent.
14 I have retained some of my previous adult patients, but my
15 practice now is devoted to children and adolescents up to
16 the age of twenty-one.

17 Q What percent of your practice is devoted to the
18 clinical care of neonates?

19 A It's hard to give you --

20 Q Within the first twenty-eight days of life?

21 A I don't -- you know, I don't know how to give you
22 a percentage. I mean, as a child neurologist, you see -- I
23 see a lot of babies in the nursery, as well as a lot of

1 babies in follow-up. Twenty percent, thirty percent, but
2 that's just a guess.

3 Q Okay. Do you, as a pediatric neurologist, ever
4 have the occasion to attend to a delivery as an attending
5 pediatrician or as an attending pediatric neurologist at the
6 request of an obstetrician?

7 A No, I don't think that's really done anywhere
a that I'm aware of unless it would be a very unusual
9 circumstance of a degenerative disease or a congenital
10 neuromuscular disease, if somebody wanted you to see if
11 there was any present, but that's not a regular occurrence.

12 Q How would you, Doctor, characterize or if you
13 would have a diagnostic label for Justin Berarducci's
14 present condition?

15 Is there a diagnostic label from a pediatric
16 neurology standpoint that could be placed upon --

17 A You mean as a diagnosis?

18 Q Right.

19 A Yes, I would classify Justin Berarducci as having
20 a static encephalopathy secondary to an intrauterine chronic
21 infection which has resulted in global neurological
22 involvement as I outlined in my letter of severe
23 intellectual and motor delay, microcephaly, seizure

1 disorder, spastic quadriparesis, decreased visual acuity,
2 lack of speech, bowel and bladder incontinence, and
3 non-ambulatory state.

4 **Q** Do you have an opinion as to whether or not,
5 based upon a reasonable degree of medical probability,
6 Justin Berarducci ever suffered from hypoxic ischemic
7 encephalopathy giving rise to his current neurological
8 deficits?

9 A Well, you know, it is certainly possible as a
10 pathogenetic mechanism as a result of an infection, because
11 infections not only have cellular damage on a direct basis,
12 but will affect placental-placental blood flow, and one may
13 sustain chronic hypoxic ischemic insults, and which I think
14 probably did occur in this case.

15 **Q** Do you have an opinion, based upon a reasonable
16 degree of medical probability, as to the timing of Justin's
17 hypoxic ischemic insult in utero, or a timing parameter, if
18 you will, if you can't come up with a specific time frame?

19 MR. VAN ABEL: I'm going to object because
20 I'm not sure that his answer implied that it was
21 positively a hypoxic incident that occurred.

22 BY MR. HAWAL:

23 **Q** Well, I understand that, but my question was

1 whether it was probable and I believe the Doctor's answer
2 was that it was.

3 And my question is: Assuming that that answer is
4 correct, when was that insult sustained by this child in
5 utero?

6 A Well, I think the key is whether it is -- in
7 terms of that particular aspect, whether there was one or
8 multiple insults, and in all probability there were multiple
9 insults.

10 You have to understand this is taken on the
11 background of a child who is microcephalic and has evidence
12 *of* chronic infection and involvement. And the child
13 certainly had some episode which occurred forty-eight,
14 seventy-two hours, five days prior to delivery which
15 contributed to this child's difficulty, but whether there
16 were other episodes, a week, two weeks, four weeks prior to
17 that which further contributed on that pathogenetic
18 mechanism, there is really no way to tell.

19 Q **Is** it fair to say, if I understand your answer
20 correctly, that you do not have an opinion based upon a
21 reasonable degree of medical probability as to whether or
22 not it was most probably a singular episode, or a chronic,
23 multiple episode of hypoxia, if you will, which caused his

1 present neurological deficit?

2 A No, that's not fair to say.

3 Q What is your opinion as to whether or not it is

4 most likely a singular event or a chronic, multiple event or

5 multiple --

6 A Well, I've already told you that there is little

7 question that he has chronic involvement and by a number of

8 criteria and chronically infected would be, I think, the

9 most likely etiology. He had an episode, which I told you

10 occurred at a time frame of forty-eight hours to five days,

11 and whether there were additional episodes like that,

12 there's no way for me to tell you.

13 Q Assuming for a moment that there were some

14 episodes in the time frame between five days prior to birth

15 up to forty-eight hours prior to birth, would that -- would

16 those episodes be occurring and causing cumulative damage or

17 would the first insult cause the entire amount of damage

18 that this child currently exhibits?

19 A I don't think I can answer that. I don't know.

20 Q Is it well recognized in pediatric neurology that

21 hypoxic ischemic insults cause cumulative brain damage?

22 A They certainly can, but they do not have to.

23 That's why it's difficult to tell you that. And also it

1 depends on whether it is hypoxic or whether it is ischemic.

2 Q Right. Do you have an opinion as to whether or
3 not it is most probably hypoxic or ischemic or a combination
4 of both in this case?

5 A Well, I think it is hypoxic and ischemic in this
6 case because I think predominantly there is an ischemic
7 insult. And so by the definition of ischemia, you're going
8 to be hypoxic, but we have situations in which an individual
9 will be hypoxic without ischemia.

10 Q How do you define the intrapartum period?

11 A It's the delivery process.

12 Q Does your definition of intrapartum also include
13 the labor process?

14 A Yes, I'm sorry. The labor and delivery.

15 Q Do you have an opinion as to whether or not this
16 infant suffered any hypoxic insults sufficient to cause
17 brain damage or hypoxic ischemic insults sufficient to cause
18 brain damage during the intrapartum period?

19 A Yes.

20 Q And what is your opinion?

21 A My opinion is that there is no evidence to
22 suggest that there was an intrapartum hypoxic ischemic
23 episode that will be significant enough to cause brain

1 damage.

2 **Q** And when you reviewed the records, what type of
3 evidence were you looking for to make that judgment?

4 **A** Well, evidence of an acute multi-organ
5 involvement and acute neurological symptoms.

6 **Q** Is it your opinion that there was no evidence of
7 multi-organ involvement?

8 **A** That's correct.

9 **Q** Is it your testimony or your opinion that there
10 was no evidence of acute neurological involvement?

11 **A** No, there was -- let me go back. Acute
12 multi-organ involvement on the basis of an ischemic insult.

13 There's certainly multi-organ involvement on the basis of
14 infection.

15 **Q** Okay. What about the acute neurological damage'?

16 **A** Right. This child had apnea and early seizures
17 which would be attributed to an insult that had occurred
18 some time prior to the birth in the labor process, but
19 subsequent to that really the child did reasonably well in
20 terms of being in the hospital really only a limited amount
21 of time considering the magnitude of this child's problem.

22 **Q** Do you believe that the child, in your opinion,
23 improved, or was the child's condition pretty static once it

1 was admitted to Akron Childrens Hospital?

2 A Oh, I think the child improved subsequently and
3 then leveled off and was static after that.

4 Q In terms of the type of neurological damage that
5 you indicated; the apnea and the seizures, are you saying
6 that those findings are inconsistent with a hypoxic ischemic
7 episode sufficient enough to cause brain damage during the
8 intrapartum period?

9 A I'm not sure I understand that.

10 Q Can you have a child who suffers brain damage
11 because of a hypoxic ischemic insult during the intrapartum
12 period which will develop apnea at three hours after birth
13 and seizures at approximately seventeen hours after birth?

14 A Can you -- okay. Let me see if I can just para
15 -- you're saying can you have a hypoxic ischemic insult that
16 will do that? Is that what you're saying?

17 Q In the intrapartum period.

18 A Well, I think hypothetically you could if all of
19 the other factors surrounding that, you know, were
20 consistent with that. It would be unusual to have apnea
21 three hours, you know, after birth as a result of an
22 intrapartum episode,
23 And the seizures at seventeen hours certainly

1 could occur with an intrapartum event, but the, you know,
2 the other factors that surround that would not be consistent
3 with it and would make the -- put more weight on the fact
4 that the apnea and seizures were related to a chronic event.

5 **Q** What other factors are you referring to when you
6 say that they make those two findings inconsistent with an
7 intrapartum asphyxial event or hypoxic ischemic event?

8 **A** Well, you have no renal failure, you have no
9 heart failure, you have selective liver involvement and
10 really no acute liver failure. The gamma GT is elevated,
11 but that's due to an infectious basis. The **SGOT** and SGPT
12 are not elevated, which is what you would see with an acute
13 intrapartum ischemic event. So, the systemic problems that
14 this child has are not one that one sees due to an acute
15 multi-organ lack of oxygen and blood flow.

16 **Q** When you have an acute multi-organ failure as
17 you're describing, is that a permanent condition or can it
18 be a temporary condition that is caused by a hypoxic
19 ischemic intrapartum insult?

20 **A** Well, it depends on the severity and the organs.
21 Generally speaking, the heart, the liver, the kidneys, and
22 the muscle improve and there is no residual damage. But the
23 brain, which is another organ that is deprived, does not

1 repair itself.

2 Q Okay. Is it well -- or is it well recognized in
3 the field of your expertise that a condition which can be
4 described as shunting occurs during a hypoxic insult whereby
5 the body or the fetus shunts blood away from less
6 significant organs to the brain --

7 A No, and the answer --

8 Q -- to protect itself?

9 A The answer to your question is no because of the
10 way you phrased it. If you phrased it as an ischemic
11 insult, yes. Hypoxia doesn't generally do that.

12 Q Okay. Can you list for me what factors, based
13 upon your review of the records, led you to the conclusion
14 that this hypoxic ischemic insult occurred at least
15 forty-eight hours prior to delivery? What factors?

16 A You mean the one or multiple events?

17 Q Pardon me?

18 A The one or multiple events?

19 Q Correct.

20 A Okay. Well, you have a child who is born with
21 depressed Apgar's, who is already neurological impaired
22 evidenced by the microcephaly, and then a series of
23 laboratory studies which support chronic involvement. But

1 then the most important aspects are a child who has no heart
2 failure, no renal failure, has selective liver involvement
3 and not on an acute ischemic basis, is in the hospital for a
4 ten day period of time or eleven days, and really does not
5 have that severe a neonatal course to be consistent with
6 this child's neurological impairment.

7 And then you also have a CT scan done at
8 approximately thirty, thirty-one hours which has evidence of
9 cerebral edema of a significant extent and then develops
10 subsequently multi-cystic encephalomalacia and has
11 calcifications which occur early, and these would all be
12 consistent with some event occurring at forty-eight to five
13 days prior to at least that event.

14 **Q** All right, If we can explore these a little bit
15 more thoroughly on an individual basis, and my questions
16 will be based upon whether or not these individual items as
17 a constellation of symptoms are consistent with an
18 intrapartum hypoxic ischemic event.

19 **If** this child suffered an intrapartum hypoxic
20 ischemic event as the cause for its current neurological
21 problems, would you expect to see depressed Apgar scores at
22 birth?

23 **A** Well, let me preface, before I answer those

1 questions, my answer would be the same to all of those.

2 It's that you don't practice medicine in isolation on a
3 single finding. Okay? You practice medicine based on all
4 of the facts and all of the assessment of the clinical
5 picture, the history, the laboratory findings, and the
6 pathology that can exist. That's the only way you can
7 answer the questions.

8 Now, if you want to select them out and talk
9 about hypothetically and unrelated to this situation, I'll
10 be glad to do that.

11 Q Well, what I'm interested in doing, Doctor, is
12 I'm interested in seeing how many of these factors and to
13 what extent this constellation that you need to come up with
14 an opinion would be consistent with a hypoxic ischemic event
15 that occurred during the intrapartum period. And what I'm
16 interested in is finding out whether or not depressed
17 Apgar's would be one of the consistent findings as part of
18 the whole constellation for a hypoxic ischemic event
19 occurring during the intrapartum period?

20 A Well, I understand what you're interested in.
21 Let me, again, restate my hesitancy to answer the question
22 that way unless you can rephrase it hypothetically and
23 unrelated to this situation.

1 **Q** Well, I'm interested in phrasing it
2 hypothetically at this point in time. **So** why don't we talk
3 about in a hypothetical child that's born at thirty-seven
4 thirty-eight weeks of a gestational age that suffers a
5 hypoxic ischemic insult severe enough to cause brain damage
6 of the type that this child has; static encephalopathy,
7 would you expect to see a depressed Apgar score at the time
8 of birth?

9 **A** You may or may not.

10 **Q** It would not be inconsistent -- a depressed Apgar
11 score would not be inconsistent with an insult of that
12 etiology?

13 **A** No, again, we're talking about not etiology.
14 Pathogenetic mechanisms. The hypoxic ischemic -- and
15 ischemia are not diseases. They're just mechanisms. **So**
16 there are a lot of causes of that mechanism.

17 **Q** Would you expect to see microcephaly?

18 **A** No, I would not.

19 **Q** **So** at least as a constellation, if one of the
20 symptoms **or** signs in this constellation is the fact that
21 this child was microcephalic would be inconsistent with an
22 event occurring during the intrapartum period?

23 **A** Not only inconsistent, impossible.

1 **Q** Okay. What about the lab studies that you were
2 referring to; would any of the lab studies that you observed
3 be consistent with a child such as the hypothetical one I
4 described?

5 **A** **Now** I'm not sure about your hypothetical because
6 you just said hypoxic ischemia and you didn't tell me
7 gestation, factors surrounding that etiology --

8 **Q** I thought I did. I thought I said thirty-seven
9 to thirty-eight weeks.

10 **A** Yeah, but you didn't tell me the etiology.

11 **Q** All right. A placental insufficiency which
12 caused the child to not receive sufficient oxygen and blood
13 to the brain so as to cause severe brain damage.

14 **A** That's still not an etiology. Are you talking
15 about an abruption or placenta previa or --

16 **Q** I'm talking about hemorrhagic endovasculitis.

17 **A** Well, I'm not that familiar with that entity.

18 The -- but, okay.

19 **Q** Okay. Would the type of lab values that you
20 found in this record be inconsistent with that hypothetical
21 child?

22 **A** Well, causing, as a pathogenetic mechanism, an
23 intrapartum ischemic episode, no, they would be

1 inconsistent.

2 Q All of the lab values that you relied upon?

3 A All the ones that I relied upon, yes.

4 Q Okay. And what would those be again?

5 Thrombocytopenia --

6 A Thrombocytopenia, an IGM --

7 Q Elevated IGM?

8 A -- of fifty-five.

9 Q Anything else?

10 A Nucleated red blood cells of the forty to fifty

11 percent.

12 Q Anything else?

13 A Well, the elevated gamma GT in the face of normal

14 renal function studies and in the face of a normal

15 cardiovascular status.

16 Q Anything else?

17 A I think there are a few other things, but they'll

18 come to me shortly.

19 Q And the next you indicated that because there was

20 no heart or renal failure evident, that, in your opinion,

21 that was indicative of insults occurring prior to the

22 forty-eight hours before birth?

23 A Yes, if you're going to talk about the magnitude

1 of brain damage that this child has, it is -- would be very
2 inconsistent not to have other significant organ involvement
3 as a reflection of the severity of the hypoxic ischemic
4 episode.

5 Q The liver damage that this child suffered would
6 be inconsistent with the hypothetical fact situation that I
7 earlier prefaced this question with?

8 A Well, you have to use liver damage in quotes.
9 The gamma GT, which is elevated, is a product of infection
10 usually and is particularly when other LFT's are not
11 elevated.

12 Q And how severe does the liver or the kidney
13 damage have to be?

14 A Well, it's usually renal failure.

15 Q And the child was not hospitalized long enough?

16 A **No**, the child was hospitalized long enough for
17 them to take care of the child, but, you know, a ten day
18 hospitalization for a severely intrapartum asphyxiated
19 infant would be unusual.

20 Q And that goes along with the fact that his
21 neonatal course was not severe enough?

22 A Yes.

23 Q And going to the next factor that you pointed

1 out, the thirty-one hour CT scan: what would you have
2 expected to find in a thirty-one hour CT scan if this child
3 was the hypothetical child that I mentioned earlier?

4 A Well, it depends again on the etiology, but
5 probably really nothing. I would have expected to see, on
6 an unenhanced CT scan, what looked like if the child was not
7 affected by another problem such as in this case, a scan
8 which would have read -- been read as probably normal at
9 that time.

10 Q No cerebral edema? You would not have expected
11 cerebral edema?

12 A No.

13 Q If this hypothetical child suffered hypoxic
14 ischemic insult sufficient enough to cause static
15 encephalopathy of this magnitude that this child, Justin
16 Berarducci, has, what would be the earliest time that you
17 would expect to see cerebral edema?

18 A I've told you. Usually thirty-six, forty-eight,
19 seventy-two hours is the -- you know, it's generally
20 forty-eight hours to five days.

21 Q Is thirty-six hours the absolute minimum, in your
22 opinion?

23 A No. I mean, that's seeing the minimal amount of

1 edema, but we're seeing a lot of edema at thirty-one hours
2 and it doesn't -- you know, these are talking about the --
3 not only whether it's present or not, but the amount.

4 **Q** You've reviewed the report of Dr. Roessmann who
5 discusses cerebral edema and says that he would expect to
6 see it --

7 **A** Yes.

8 **Q** -- within twenty-four to forty-eight hours. **Do**
9 you disagree with his opinion?

10 **A** I would disagree. That's not supported by the
11 literature and what we understand pathologically of
12 newborns.

13 **Q** What is encephalomalacia?

14 **A** It means a dissolution of brain over a period of
15 time.

16 **Q** And when was that evident on **CT** scan? I mean,
17 was it on the first one or was it on --

18 **A** **No**, it wasn't on the first one. It was on
19 subsequent ones, but I can't tell you exactly without having
20 those reports in front of me, but I'll be glad to **look** at
21 them.

22 **Q** Well, I don't -- the reports?

23 **A** Yes.

1 Q All I have is the ones from Akron Childrens.
2 A Yeah, let me see. I think that they were -- I
3 would look at mine, but I'm not sure I can find them.
4 Q Here's 5/10 -- 5/6 and 5/10.
5 A I won't look at your highlights.
6 Q Probably the wrong highlights anyway.
7 A No, it -- they were the later scans. It's not on
8 the 5/10 or the 5/6 study.
9 Q Is it your opinion that there should not have
10 been liquification or encephalomalacia on the scans that you
11 reviewed for it to be a condition which occurred in the
12 intrapartum period?
13 A I'm not sure I understand that.
14 Q Well, you did indicate that the finding of
15 encephalomalacia was one of the factors that you considered
16 in arriving at an opinion that this insult or series of
17 insults occurred at a minimum forty-eight hours prior to the
18 --
19 A No, no, I didn't say encephalomalacia. I think
20 that's what you said.
21 Q Okay. Well, I had it listed on the things that I
22 -- the factors that I asked you to describe that led you to
23 conclude that this child's brain damage occurred prior to --

1 A No, I didn't list that. That may have been in
2 yours, but I didn't list that.

3 Q Okay. That doesn't play any part in this
4 analysis?

5 A No. Not as you've phrased it, no.

6 Q Doctor, do you have any opinion as to whether or
7 not placental pathology played any part in this child's
8 brain damage?

9 A Well, I think the placental pathology as
10 described in the record is described as being post
11 infectious in origin. Now whether -- there are people that
12 disagree with that, and I'm not a placental pathologist. So
13 I'm not going to give you an opinion to that. And obviously
14 I understand that there are some differences of opinion, but
15 it's entirely consistent with the rest of the picture. So I
16 see no reason, you know, to say that it is not the case.

17 Q Well, my question really is gauged at trying to
18 find out your opinion as to whether or not this child's
19 current neurological problem or any hypoxic ischemic insult
20 was as a result of placental insufficiency caused by
21 whatever -- caused because of whatever reason, or whether or
22 not the placental lesions that were described are merely a
23 by-product of an infectious process that had nothing to do

1 with itself causing this child's current condition?

2 A Oh, no, no. I think that the -- at least by the
3 baby's clinical condition; the microcephaly, the laboratory
4 data, the course of the child, I would expect to see a
5 problem with the placenta. Now -- and as it's described as
6 being consistent with a post infectious etiology I think is
7 entirely consistent with the rest of the picture.

8 Q Well, I still don't think we're communicating as
9 far as this one question is concerned. Let me rephrase it.

10 A Okay.

11 Q Do you believe that this child's insults or
12 insult in utero which gave rise to his current level of
13 neurological dysfunction was caused because of an inability
14 of the placenta to give him sufficient quantities of oxygen
15 and/or blood prenatally?

16 A I think it probably contributed over, you know,
17 the last trimester certainly and it's not only oxygen,
18 **blood**, but also nutrients.

19 Q And do you have an opinion as to when this
20 placental problem or the placental lesions first manifested
21 themselves?

22 A No, I don't think I can tell you that.

23 Q Are some of the opinions that pathologists would

1 have concerning the onset of any placental pathology be
2 important for you to be able to determine the exact role
3 that this placenta may or may not have played in causing
4 this child's neurological problem?

5 A Well, you know, I would certainly be open to
6 reviewing any interpretation. You know, if it is not
7 consistent with the remainder of the facts, then you have to
8 say, you know, is that interpretation entirely accurate or
9 is it an over-interpretation of an obvious fact.

10 Q If, in reviewing your records and being asked to
11 arrive at an opinion on causation, would your opinions be
12 exactly the same as to the probable cause and/or the timing
13 of injury to this child if the placenta was entirely erased
14 from the equation here? If you had no information about
15 the placenta whatsoever?

16 A No, my -- I -- I think the predominance of the
17 evidence and the facts in this case support an intrauterine
18 infection on a chronic basis and the other aspects that we
19 talked about. Whether we had the placental report or not
20 would not erase that. It would certainly be helpful, as it
21 is in this case and as it is reported in the chart to be
22 post infectious in nature, and that supports the rest of the
23 data.

1 **Now**, if you have somebody that comes up with
2 another diagnosis and another disease, I'm certainly open to
3 looking at that, but if it's not consistent with the other
4 data, then one has to say, you know, there may be something
5 wrong with that interpretation.

6 **Q** Whose opinion are you relying upon in this case
7 that this placenta was consistent with an infectious
a process?

9 **A** Well, the report in the chart. I can't remember
10 the individual's name.

11 **Q** Have you reviewed a report by Dr. Bendon
12 (Phonetic)?

13 **A** Whom?

14 **Q** Dr. Bendon.

15 MR. VAN ABEL: He's not seen it. That's the
16 one I just got the other day.

17 BY MR. HAWAL:

18 **Q** If Dr. Bendon, who is a perinatal pathologist, is
19 of the opinion that the microscopic examination of this
20 placental tissue is inconsistent with an infection in the
21 placenta or an infectious process affecting the placenta,
22 would that have any impact upon your opinions in this case?

23 **A** Well, I would certainly look at it and then I'd

1 have to see what he bases that on and then how he's going to
2 explain all of the other facts which are, you know,
3 extremely strong in favor of an obvious etiology. I mean,
4 and if he can explain away those by another etiology and if
5 he has another etiology that is a chronic problem, I have no
6 difficulty with it,

7 **Q** Do you feel qualified to comment upon
8 pathologists' observations concerning placental tissue and
9 what is the probable cause as to damage or pathology in a
10 placenta?

11 **A** Well, I'm not sure I understand that question
12 entirely, but if you're asking me whether I interpret or
13 accept other peoples' reports such as I do for EKG's or for
14 chest x-rays or for a pathologist who reads muscle biopsies,
15 yes, I do accept those. Okay? And I have to use that in
16 the context of a practicing physician. But as all
17 physicians know, reports have to be consistent with the
18 remaining part of the picture. If they're not, then you
19 have to assess a certain amount of weight to that
20 interpretation, and we get interpretations of all kinds of
21 things which may or may not be correct.

22 **Q** All right. In this case is it fair to say that
23 your reliance on the involvement of the placenta has to be

1 based entirely upon what other placental pathologists will
2 say about the condition of that placenta?

3 A Yes. I'm not a placental pathologist. I'm not
4 going to interpret that as an expert for you, and I'm not
5 going to read any slides.

6 Let's take just about a one minute break.

7 (Short break)

8 BY MR. HAWAL:

9 Q Based upon your familiarity with placental
10 pathology, Doctor, does a microscopic description of the
11 placenta, if you know, help in determining the timing of a
12 child's brain damage such as in this case?

13 Can someone look at the placental pathology and
14 based upon that, tell when the most likely time period is of
15 the given child's brain damage?

16 A You know, I don't think I know the exact answer
17 to that. All I can tell you is that you can use that in
18 some data in support of another situation, and other
19 laboratory data along with it, but I think alone it would be
20 extremely difficult.

21 Q Okay. Before this case, had you ever heard of
22 hemorrhagic endovasculitis?

23 A Yes.

1 Q And in what context or how?

2 A Well, in the literature. I've never seen anybody

3 with it. I questioned whether it's an entity that even

4 exists, but I just --

5 Q Based upon what you've read?

6 A Yes.

7 Q Do you have an opinion as to whether it is a poor

8 or a good predictor of fetal outcome if someone makes the

9 diagnosis of hemorrhagic endovasculitis?

10 A You know, I really don't know enough about that

11 to tell you that.

12 Q Do you have an opinion as to whether or not the

13 description of this child's placental pathology put him at

14 risk for labor and delivery?

15 A Put him at risk? Well, I think that any infant

16 that is chronically involved can have difficulty tolerating

17 the stress at labor, but how you know that beforehand, I

18 don't know.

19 Q Okay. But looking at it retrospectively in terms

20 of looking at this child's placenta, can you retrospectively

21 say that this placenta would have put him at risk?

22 A No, I can't.

23 Q Okay. Doctor, when you prepared your report of

1 June 12th, **1990**, I take it this report was designed to
2 convey a fair summary of your opinions on causation; is that
3 correct?

4 A The June 12th, **1990**, yes.

5 Q And in your opinion, Justin suffered a prenatal
6 insult that is caused either by an intrauterine infection or
7 other systemic involvement?

8 A Correct.

9 Q All right. What was the type of infection that,
10 in your opinion, this child suffered prenatally?

11 A I think most likely it would be a viral
12 infection.

13 Q Is it more probable than not that it was a viral
14 as opposed to a bacterial infection?

15 A Yes.

16 Q And what is the basis for that opinion?

17 A Well, bacterial infections are usually more acute
18 and are usually associated with different laboratory
19 features at the time of delivery rather than with the
20 chronic changes that this child had in terms of the
21 selective liver involvement, the microcephaly, the
22 thrombocytopenia, et cetera.

23 Q Do you have an opinion as to whether or not it

1 was most probably the result of an ascending infection or
2 was it something that was transmitted through the mother's
3 blood to the fetus?

4 A I don't think I know for certain. Obviously
5 there were two placental units and whether this was an
6 ascending infection or blood born, I really don't know.

7 Q Why didn't it affect the other twin?

8 A I don't know. It's just known by other twin
9 studies that one can have one fetus that is affected and one
10 that is not and what the selective defense mechanisms are, I
11 really don't think we all know that.

12 Q What do you mean by other systemic involvement?
13 What does that mean?

14 A The liver failure, renal failure.

15 Q All right.

16 A Heart failure.

17 Q Well, I'm not sure I understand your report then
18 because you indicate that he suffered an intrauterine
19 prenatal insult that was caused by an intrauterine infection
20 or other systemic involvement?

21 A Oh, I'm sorry. I thought you were talking about
22 the other systemic involvement at the time of birth.

23 **No**, it had to be a generalized process that's

1 affecting this baby. Whether that is on a basis of some
2 chronic toxin exposure, something that would cause a chronic
3 problem that was occurring over time, that's what I meant.

4 Q Okay. All right. So either it was an infectious
5 process or toxin exposure?

6 A Toxic metabolic process that was just not able to
7 be identified.

a Q What are the most probable explanations for your
9 use of the phrase other systemic involvement?

10 You mentioned toxins, you -- what other causes --

11 A Well, I just don't think there is --

12 Q -- potential causes go through your mind?

13 A Well, I'm sorry. I didn't mean to interrupt you.

14 Q That's all right.

15 A You know, as you well know, we don't know, in
16 approximately seventy percent of the causes of intrauterine
17 prepartum insults, what the cause of neurological damage is.

18 And there are other categories such as, for
19 example, carbon monoxide poisoning which may or may not have
20 been detected during an individual's gestation which can
21 cause things like digenesis of the corpus callosum, or
22 microcephaly, or other problems, and whether that's done on
23 a single or repeated basis, and the levels are not known.

1 Alcohol consumption; even minimal levels can
2 cause developmental abnormalities and chronic changes in
3 brain. So that's what I'm talking about.

4 I'm not suggesting that those are the case here,
5 but in terms of being complete, I think one has to say that
6 in the absence of infection, there has to be some other
7 systemic explanation for this child's problem.

8 **Q** Okay. Doctor, what steps were taken at Akron
9 Childrens Hospital to isolate the source of a cause for
10 infection or even to isolate infection being a possible
11 cause of this child's condition?

12 **A** Well, I think they obtained what is commonly
13 termed as torch titers, which selects out certain common
14 viruses which can cause congenital infections. There was
15 also, I think, a nasopharyngeal swab or a rectal swab done
16 for virus. But as you well know, there are a number of
17 viruses which are not selected out by the torch studies that
18 cause intrauterine congenital infections and viruses are not
19 frequently cultured particularly on a chronic basis because
20 they are very difficult to culture and tissue culture. So
21 those were the steps that were done.

22 **Q** Anything else done, to your knowledge, to
23 eliminate infection as an explanation for this child's

1 neurological problem?

2 A I don't think it was done to eliminate it. I
3 think it was done to identify as best you can and establish
4 the parameters for what you're dealing with.

5 Q All right. With the thought that this may be an
6 infectious process then, what additional studies or tests
7 were done to determine --

8 A Well, they did an IGM, which was markedly
9 elevated.

10 Q Fifty-five?

11 A Yes.

12 Q And that's markedly elevated?

13 A Yes.

14 Q What is normal?

15 A Usually less than twenty.

16 Q Do you believe that these tests were adequate or
17 would you have recommended additional tests to have been
18 done?

19 A Well, I think it -- the -- you know, it's
20 difficult to **look** back, you know, in time, The -- I think
21 that those are the standard things that one would look for.

22 If you're in a place that can do more
23 sophisticated studies, then perhaps those could be done like

1 some **IGM** fluorescence on certain tissues and using different
2 tissues, culture lines, and other more sophisticated
3 antibodies, but that's not generally done on a routine
4 basis.

5 **Q** What is the most likely pathogen that, in your
6 opinion, was the cause for this child's infection?

7 **A** Either -- I mean, a virus and it certainly still
8 could have been in the DNA virus group. The -- even with
9 negative antibody studies. However, Cocksackie, **ECHO**,
10 adenovirus are not uncommon.

11 **Q** Are those the most probable viral agents, in your
12 opinion?

13 **A** I think so.

14 **Q** Could this have been a Group E Streptococcal
15 infection?

16 **A** No.

17 **Q** Doctor, do you have an opinion as to whether or
18 not this child suffered from intrauterine growth
19 retardation?

20 **A** Yes.

21 **Q** What is your opinion?

22 **A** The child did.

23 **Q** All right. And on what basis do you come to that

1 conclusion?

2 A Well, I'm talking about in comparison with the
3 other twin. I think there was some ten ounces less and if
4 you plot it on the growth curve, it really doesn't meet the
5 criteria for intrauterine growth retardation being below the
6 tenth percentile for weight, but it's in about the
7 twenty-fifth percentile or -- the tenth to twenty-fifth
8 percentile depending on which curve you want to use, but
9 it's certainly discordant with the other twin.

10 Q Does discordant fetal growth mean growth
11 retardation?

12 A Yes.

13 Q And was this symmetrical or asymmetrical growth
14 retardation?

15 A Well, you have to explain to me what you mean by
16 that because different people mean different things by it.

17 Q Well, if someone asks you what symmetrical means
18 in your mind or what asymmetrical means in your mind as far
19 as it related to IUCR, what do you define those terms as?

20 A I figured you might **do** that.
21 The -- that's when the --

22 Q It's a lot easier than defining it. So, go
23 ahead.

1 A That's when the height, weight, and head
2 circumference are all the same, which would be symmetrical
3 growth retardation.

4 In this case it's asymmetrical. The head
5 circumference is in the microcephalic range, and the height
6 and weight are approximately the twenty-fifth percentile,
7 tenth to twenty-fifth.

8 Q What would you have expected for a symmetrical
9 head in terms of measurement for this child?

10 A You mean in terms of the absolute number?

11 Q What would you -- yes.

12 What is the minimum that you would have expected
13 for this child to be symmetrically growth retarded rather
14 than asymmetrically growth retarded?

15 A I'm not sure I still understand that.

16 MR. VAN ABEL: Objection.

17 BY MR. HAWAL:

18 Q Well, I believe you indicated that this child
19 suffered from intrauterine growth retardation that was
20 asymmetrical because his head was microcephalic, correct?

21 A Yes.

22 Q What would you have expected or what would his
23 head size have to be for his intrauterine growth retardation

1 to be symmetrical with his length and weight?

2 A Well, it would have to be at a measurement that
3 would give you that percentile and whether that's -- the
4 baby's head circumference, I believe, was twelve inches at
5 birth or thirty point five centimeters. So, you would have
6 to -- you know, it would have to be thirty-two, thirty-three
7 centimeters that would be consistent with a thirty-seven,
8 thirty-eight week baby at that percentile.

9 Q So if his head circumference was thirty-two
10 centimeters, then you would expect that that would be within
11 the range of normal for his length and body weight?

12 A Well, this -- I think, you know, they did measure
13 thirty-two centimeters at Akron, but that's after the baby
14 is born and after it has time for tissues to swell including
15 brain and increasing in size. This child may have had even
16 a smaller head at birth had not the child already had
17 cerebral edema, so -- but even that would make the child
18 microcephalic because I think the length at Akron was in the
19 seventy-fifth percentile.

20 Q How accurate do you believe or do you have an
21 opinion as to how accurate the head circumference
22 measurement was at Medina Community Hospital?

23 A Well, you know, I have to assume the measurements

1 are valid. Certainly the height and weight are consistent
2 and the head circumference -- there's no reason for that not
3 to be accurate. It certainly goes along with everything
4 else in the chart.

5 Q It's your opinion that from the time that the
6 head circumference was measured at Medina that it increased
7 from thirty point five centimeters to thirty-two centimeters
a based on cerebral edema?

9 A Or soft tissue swelling on the outside of the
10 head.

11 Q Could it be a variance in terms of accuracy of
12 measurements?

13 A I think anything is possible, but, I mean, I
14 think again you have to place everything, you know, in
15 sequence and in consistency and everything else would
16 suggest a chronic insult and microcephaly and I think that
17 it's an accurate measurement.

18 Q I take it you disagree with Dr. Woods, who is the
19 perinatologist who was retained to review this case for
20 Medina Community Hospital, as well as Dr. Gyves, a
21 perinatologist who was requested to review this case by Dr.
22 Olaes, who both opined that this child was not intrauterine
23 growth retarded and was normocephalic at birth?

1 A Well, all -- and all I can tell you is that the
2 weight is discordant. Okay? Which would mean that the
3 child is of lower birth weight than the other child and has
4 -- and I told you by definition it's not an intrauterine
5 growth retardation, but it certainly is discordant with the
6 other twin, which would suggest a chronic problem. The head
7 circumference speaks for itself.

8 Now, you know, those are the charts I use. If
9 they use different charts, then they can, you know, let you
10 know what they do.

11 Q Did you find that this child was described as
12 normocephalic in the Akron Childrens' records?

13 A I can't, you know, tell you about every single
14 note. Normocephalic --

15 Q Do you recall?

16 A Well, yeah. Normocephalic just means the shape.
17 It doesn't mean the size.

18 Q Normocephalic means shape and not size?

19 A Correct, at least as far as I'm concerned.

20 Q If someone refers to a head as normocephalic or
21 microcephalic, you're saying that those two terms have no
22 comparable descriptive meaning?

23 A No. Normocephalic means the shape as opposed to

1 scaphocephaly, plagiocephaly, dolichocephaly, and
2 normocephaly, and size is in terms of micro and
3 macrocephalic.

4 Q Okay. Are you aware that Dr. Walter Molofsky,
5 who was also retained by Dr. Olaes in this case to testify
6 as an expert, is of the opinion that there was no
7 microcephaly and no growth retardation?

8 A Well, you know, all I can tell you is you have to
9 go by the numbers in plotting out on the chart. I mean, if
10 they use different charts, then they would have their own
11 interpretation.

12 Q If this child was not microcephalic at birth,
13 would it be more consistent that his intrauterine hypoxic
14 ischemic insult occurred later in terms in time of gestation
15 rather than earlier?

16 A Say that again.

17 Q Sure. If this child was born without
18 microcephaly, with a normal size head circumference, would
19 it indicate to you that his hypoxic ischemic insult in utero
20 severe enough to cause brain damage occurred later in the
21 pregnancy as opposed to earlier than the forty-eight hour
22 minimal interval that you first stated?

23 A I'm having -- I'm still not sure -- maybe you can

1 break that down for me.

2 Q All right. You indicated that the latest time
3 during the course of the pregnancy that this child would
4 have suffered any insult in utero was forty-eight hours
5 prior to birth, correct?

6 You indicated from five days to forty-eight --

7 A To explain the symptoms that the child
8 demonstrated at the newborn period, yes.

9 Q Would that forty-eight hour period be moved
P0 closer, could it be moved closer to birth if this child had
11 been born with a normal head circumference?

12 A No, it would have no effect on it.

13 Q No effect on it. Okay.

14 So in terms of whether or not this child is or is
15 not microcephalic at birth has no bearing on that time
16 parameter; is that correct?

17 A Not that insult, no.

18 Q Does the fact that, in your opinion, this child
19 has demonstrated microcephaly at birth support your opinion
20 that there was some other chronic earlier insults in utero
21 prior to that five day to forty-eight hour period prior to
22 birth?

23 A Yes. As I've expressed, you know, it supports

1 the elevated IGM, the nucleated red blood cells, the
2 abnormal placenta, the -- you know, all of the things that
3 we talked about.

4 Q If the child is normal -- is not microcephalic at
5 birth and has a normal head circumference, would that
6 indicate that there was probably not a chronic problem with
7 hypoxic ischemic insults or any type of insult sufficient to
8 cause brain damage prior to five days prior to birth?

9 A No, there are children that are born, you know,
10 severely retarded with intrauterine problems that have
11 normal head circumferences at birth. That, you know, may or
12 may not be a significant factor.

13 Q Doctor, does a severe hypoxic ischemic insult in
14 utero cause brain tissue to die in utero?

15 A Sure.

16 Q Can one look at evidence on CAT scans such as
17 cerebral edema and head circumference to determine the most
18 probable timing of an in utero insult based upon those two
19 correlating pieces of evidence after birth?

20 A Yes, they're helpful.

21 Q All right. If I understand the concept
22 correctly, and please stop me if I'm wrong, if a child
23 suffers an insult in utero sufficient to cause severe brain

1 damage two weeks prior to delivery and brain -- can brain
2 cells then die and then cerebral edema follows within a
3 thirty-six, to forty-eight, to seventy-two hour time period?

4 A Well, it depends on the mechanism. You know, if
5 you're saying it's due to lack of blood flow, it depends on
6 the amount, the extent, and then the reaction of that
7 particular individual baby to that. You -- if there's
8 enough necrosis, then you will have the reaction of cerebral
9 edema.

10 Q All right. Does it have to be a severe
11 deprivation of blood for there to be cerebral edema?

12 A It has to be fairly significant to be able to
13 view it on the CT scan.

14 Q Okay. If that -- if an insult occurs two weeks
15 prior to delivery severe enough to cause cerebral edema, by
16 the time that child is born two weeks later, would you
17 expect that cerebral edema will have disappeared and the
18 child will be born with microcephaly because -- with
19 microcephaly because the brain simply does not grow any
20 more?

21 A Well, it would be -- it would be -- depend on the
22 severity of the insult and the gestation. Two weeks prior
23 to a term delivery I would not expect the head circumference

1 to change a great deal.

2 Q What about a thirty-seven week old gestation?

3 A Well, it's the same thing as the time frame.

4 The two weeks would not be enough time to cause significant

5 microcephaly.

6 Q So if a thirty-five week old child in utero

7 suffers a severe hypoxic ischemic insult severe enough to

8 cause cerebral edema, are you saying that that child born at

9 thirty-seven weeks is probably not going to demonstrate

10 microcephaly?

11 A Yeah, probably would not because of the vascular

12 supply of the thirty-five week, the thirty to thirty-five

13 week baby is different than the full term infant or

14 subsequent to thirty-five weeks. The basal ganglia,

15 internal capsule, and brain stem are more vulnerable at

16 thirty to thirty-five weeks. Subsequent to that the cortex

17 becomes more vulnerable on an acquired basis rather than if

18 it occurs during the second trimester or even the first

19 trimester when the migrational and developmental aspects in

20 brain are occurring.

21 Q What portions of this baby's brain have been

22 affected or damaged based on your review of the CT scans?

23 A Certainly the cerebral cortex, basal ganglia,

1 internal capsule. I've not reviewed an M.R.I. scan. So, I
2 mean, just by exam I would suspect that some, you know,
3 portions of the brain stem have been involved.

4 Q And was that brain stem involvement evident at
5 birth from his clinical course?

6 A I think that that's really pretty hard to tell
7 just by clinical examination.

8 Q Well, are episodes of apnea consistent with brain
9 stem involvement or brain stem damage?

10 A It can be or they cannot be.

11 Q In this case do you have an opinion as to whether
12 or not his apnea --

13 A No.

14 Q -- was or was not due to brain stem involvement?

15 A No, I really don't have an opinion.

16 Q Doctor, what do you mean by intracranial
17 calcification in your report?

18 When talking about or describing that term, when
19 did **you** observe it, or when do you say it was evident?

20 A Well, the calcification that -- in the left
21 lateral ventricle that was described in the report.

22 Q Which one?

23 Is it one of the first two or subsequent reports

1 and, again, if you want to look at it --

2 A Let me just **look** at those. I think it may have

3 been -- yeah, I know where that is, but I'm talking about

4 the report. It may have been the subsequent report.

5 (PAUSE)

6 A I think it's got to be the subsequent -- I think

7 it's the 6/4 report.

8 BY MR. HAWAL:

9 Q Well, what significance does that have as it

10 relates to your opinion?

11 A Well, that type of punctated to cal --

12 intracranial calcification is usually seen with an

13 infectious process in my experience.

14 Q So is it -- is that an additional factor that you

15 utilized to support the basis or form a basis for your

16 opinion as to how this incident happened and when it

17 occurred?

18 A Well, I think it's another factor that is

19 consistent with the total process, yes.

20 Q Would calcification, intracranial calcification

21 also be consistent with an intrapartum acute asphyxial

22 event?

23 A It would be unusual in that time frame and

1 unusual even subsequently to see much calcification on the
2 basis of intrapartum ischemic event.

3 **Q** Have you had any special training, Doctor, in --
4 I know we touched on this earlier. Any special training in
5 viewing **CT** scans or interpreting them, and I don't mean
6 hands-on training with other neuroradiologists in your
7 clinical practice, but have you taken any specific courses
a designed to teach neuroradiology?

9 **A** Well, you do that during your training program as
P0 being trained in a -- in neurology. You do four months of
11 neuroradiology. And then, you know, it depends on your
12 interest subsequently.

13 In most institutions that I've been associated
14 with, including this institution in Mobile, we have a weekly
15 neuroradiology conference in which we go over films with
16 multiple radiologists as well as other clinical
17 neurologists. So it's a continuing exposure.

18 **Q** All right. But since your initial residency and
19 fellowship program, have you had any formal education in the
20 field of neuroradiology?

21 **A** You mean gone and spent didactic time?

22 **Q** Right.

23 **A** Well, yes. Well, at meetings certainly in terms

1 of the American Academy of Neurology on neurology, sure.

2 Q Are you currently affiliated with the Mobile
3 Infirmary?

4 A That's correct.

5 Q Is that your primary care facility where you have
6 staff privileges?

7 I mean, is that where you spend most of your
8 clinical time?

9 A That's correct.

10 Q What kind of a facility is it? Is it a full
11 service hospital?

12 A Yes.

13 Q What level is it?

14 A Well, it depends on the services. It has a level
15 two nursery.

16 Q Okay.

17 A The only level three nursery is at the University
18 hospital. In terms of other services, it has all intensive
19 care units; medical, pediatric, surgical, they **do** open
20 heart surgery here, dialysis, et cetera.

21 Q Does it have a neonatal intensive care unit?

22 A Well, a level two nursery, yes.

23 Q Can thrombocytopenia be consistent with an acute

1 hypoxic ischemic insult in the intrapartum period severe
2 enough to cause static encephalopathy?

3 A Thrombocytopenia can occur with intrapartum
4 asphyxial episodes, but it's usually associated with
5 disseminated vascular coagulation or abnormalities in
6 prothrombin and partial thromboplastin times and also with
7 diffuse bleeding.

8 Q Did they do any PT times here?

9 A **No**, but the baby really did not have any evidence
10 of diffuse bleeding which would be, you know, usually
11 consistent and a finding that would lead you to do that.

12 Q Was there any suggestion on any of the CT scans
13 that there was some possibility of intracranial bleeding?

14 A I think in some of the reports there was a
15 question, but really, in looking at them and also I would
16 agree with them, that there really isn't any intracranial
17 hemorrhage.

18 Q **So**, in your opinion, there was not?

19 A That's correct.

20 Q Doctor, in terms of the elevated **IGM** level, **you**
21 indicate that that is fairly significant. Let me ask you,
22 **is** it fairly significant as a basis for your opinion that
23 this is an infectious process which caused this child's

1 brain damage?

2 A I think it's -- you know, it's certainly high and
3 it's consistent with an infectious process.

4 Q All right. Do you recall when it was taken?

5 A I don't know what date. I'll be glad to look at
6 the laboratory slip.

7 Q Well, I can tell you when it was, but would it
8 matter to you whether it is early or late in the
9 hospitalization?

10 A No, not within that ten days. You're not going
11 to get an IGM level within, you know, ten days that's of
12 that magnitude that's not a reflection of an intrauterine
13 process.

14 Q All right. So if it was something that occurred
15 three or four days earlier than it was taken, that wouldn't
16 cause the IGM level to go up to fifty-five?

17 A I don't think I understand that.

18 Q Well, if an infection occurred three days before
19 or four days before the IGM level is determined, would you
20 expect that that could elevate the fifty-five within that
21 time frame?

22 A No, I think that would be extremely unlikely.

23 Q It was done on May 8?

1 A That's correct. That's three days after birth.

2 **Q** Right. In terms of this child's condition of the

3 right forearm, do you know if this -- if the right forearm

4 had any type of bacterial infection?

5 A I don't think they cultured anything, and in

6 terms of what the etiology of it is, I don't know what

7 caused the right forearm problem.

8 **Q** Would that have any impact on the **IGM** level?

9 A No.

10 **Q** Doctor, do you have an opinion, based upon a

11 reasonable degree of medical probability, as to the cause of

12 the skin slough on this child's right forearm?

13 A No, I just said I do not know what caused that.

14 **Q** What about the scalp?

15 A The --

16 **Q** The slough on the scalp?

17 A Again, I don't know the answer to that.

18 **Q** Okay. Does the condition of the right forearm

19 have any bearing on your opinion as to causation?

20 A No.

21 **Q** Do you have any opinion as to whether it could be

22 an intrauterine pressure wound?

23 A The arm?

1 **Q** Right.

2 **A** I really have no opinion about that.

3 **Q** Do you have an opinion as to whether or not there

4 was meconium in the amniotic fluid during labor?

5 **A** Well, I have an opinion, you know, and the

6 problem -- first of all, the child was not meconium stained.

7 Meconium as described -- let me find the exact wording. It

8 is unusual.

9 **So** I really don't know one way or the other, you

10 know, if this child did indeed have -- or the mother did

11 indeed have brown fluid from the vagina which is meconium,

12 that would even support more chronic insult. The -- but to

13 have, you know, brown fluid from the vagina and not have any

14 meconium staining is inconsistent. So I really don't know

15 what to tell you.

16 **Q** Why is it inconsistent?

17 **A** Why is what inconsistent?

18 **Q** Why is brown fluid and no meconium staining

19 inconsistent?

20 **A** Well, it would indicate extremely old meconium

21 and, you know, I would expect a baby to be meconium stained.

22 **Q** All right. Is it generally understood that if --

23 that with old meconium, the baby does exhibit signs of

1 meconium staining?

2 A Well, generally, yes.

3 Q Would you expect to also find evidence of

4 meconium in the placental tissue, the chorion of the

5 placenta?

6 A I think you'd really have to ask the placental

7 pathologist.

8 Q When you say it was old -- I'm not sure you said

9 it was old meconium.

10 A I didn't. I don't know what it is.

11 Q All right. I believe you said if it was brown --

12 if it was meconium and it was brown, it would have to be old

13 meconium; is that correct?

14 A Well, that's -- you know, in terms of being, you

15 know, brown, dark, thick.

16 Q Well, how old would it have to be to be brown,

17 dark, and thick?

18 A You know, I don't know.

19 Q Isn't old meconium generally yellow?

20 A No.

21 Q It's not?

22 A (Witness shakes head negatively.)

23 Q If it is meconium and the baby was not meconium

1 stained, would it not indicate that it was rather new
2 meconium?

3 A **No.**

4 **Q** Why not? Because of the color?

5 A Well, no, the color and the length of time that
6 it was there, you know, being at **4/30**, the baby being born
7 at **7:00** -- after 7:00. I think 7:41 or thereabouts. **7:56.**

8 Excuse me.

9 You know, I would expect if there was meconium
10 that was in large amounts that was brown, that there would
11 be, you know, some staining of the baby if that is indeed
12 what it is.

13 **Q** Well, doesn't it take three to six hours to stain
14 the baby with meconium?

15 A I don't think anybody really knows the answer to
16 that.

17 **Q** Well, have you read obstetrical texts which
18 generally discuss that subject matter; as to the length of
19 time staining -- it takes to stain a baby after exposure to
20 meconium?

21 A But that data is not based on very good study.
22 It's just their estimates.

23 **Q** Would a perinatologist be in a better position to

1 discuss that subject matter than you would?

2 A He looks at the same data that I do. I mean, it
3 depends on what data he's looking at and what studies.

4 Q In terms of the explanation for the brown fluid,
5 did you accept or reject Dr. Olaes' explanation for it and
6 that being that it is probably meconium mixed with blood
7 from the wound on the right arm?

8 A You know, the meconium does not play a large part
9 in, you know, my opinion in terms of causation and timing.
10 **So, you** know, it was somewhat confusing to me in terms of
11 the description and then the child. And so, all I can tell
12 you is what is described.

13 Q If the explanation that Dr. Olaes gives is
14 correct: that it is meconium mixed with blood from the arm,
15 and the baby is not meconium stained which would indicate
16 that it is not old meconium, would that indicate to you,
17 Doctor, that this baby was suffering from fetal distress
18 during the intrapartum period?

19 A **No.** I mean, twenty percent of all babies are
20 born with meconium. I mean, it doesn't mean fetal distress.

21 It can be seen, you know, in certain situations if
22 everything is consistent with that and consistent with an
23 acute event or a chronic event, but the fact that you have

1 meconium does not tell you anything.

2 **Q** Is it an indicator, if you have an opinion in the
3 field of obstetrics, as a warning to exercise extra
4 diligence or vigilance to look for fetal distress?

5 A Well, I think it **is** dependent upon the timing,
6 the condition, the delivery, a whole lot of other factors.

7 **Q** Would you expect that the nursing staff and the
8 obstetrician who were attending to this labor would be in
9 the best position to determine whether it was or was not
10 meconium?

11 A I would certainly think the person who saw it and
12 described it would be in the best position. I would agree
13 with you.

14 **Q** Do you have an opinion as to whether or not this
15 child was in, more likely than not, in fetal distress during
16 the intrapartum period?

17 A Yes.

18 **Q** And what **is** your opinion?

19 A I do not think the child was in fetal distress.
20 I think the child was stressed during the delivery because
21 of the previous problems.

22 **Q** In other words, if a fetal heart monitor had been
23 -- an electrode had been attached to this baby, you believe

1 that the heart patterns, the fetal hearts would not have
2 indicated any evidence of fetal distress; is that correct?

3 A Well, I think based on -- and again, this would
4 be speculation.

5 Based on the other data, the ten day
6 hospitalization, the laboratory features, the CT scans, et
7 cetera, it would be my opinion that the fetal monitor would
a probably be normal.

9 Q Doctor, do you have an opinion as to whether or
10 not this child's clinical presentation in all the records
11 that you reviewed would indicate that this child's brain
12 damage that he was born with was the result of an
13 intrauterine event that occurred between the sixteenth and
14 twenty-third week of gestation?

15 A I'm sorry. Did you say all of the brain damage?

16 Q Right.

17 A No, I do not think that all of the brain damage
18 occurred at that time.

19 Q So if a pediatric neurologist were to express
20 that opinion in this case, in your estimation that would be
21 incorrect?

22 A No, I mean, you'd have to ask him what he bases
23 that on and what are the factors that he uses, and I would

1 be certainly open to listening to that.

2 My, you know, review and opinion concerning
3 timing, causation, and etiology, is based on my knowledge
4 and expertise and the data that I've given you.

5 Let's take -- we've been going about another
6 hour. Let's just take a break.

7 MR. HAWAL: Sure.

8 (Short break)

9 BY MR. HAWAL:

10 Q I'm sure I have this answer buried or this
11 question buried into another question long before, but I'm
12 going to ask it again anyway.

13 Can Apgar's of three and six at one and five
14 minutes respectively, the development of apneic spells at
15 three hours of life, and the development of seizures at
16 seventeen hours of life be consistent with an acute hypoxic
17 ischemic insult in the intrapartum period which was
18 sufficient enough to cause brain damage?

19 A Hypothetically and unrelated to this situation,
20 if the facts were different, yes.

21 Q Do you have an opinion in this case as to whether
22 or not the placental pathology that is described in the
23 records diminished this child placental reserves at the time

1 that labor was commenced?

2 A No, I don't have an opinion.

3 Q Are you familiar with the term or concept of

4 placental reserve?

5 A Yes.

6 Q Is it generally known in perinatal medicine that

7 fetuses are blessed with a certain amount of placental

8 reserve?

9 A Well, I -- I think, you know, in generalities,

10 you know, as that concept is understood, yes.

11 Q Do you know how much the normal placental reserve

12 is for a normal fetus?

13 A In measuring in what units?

14 Q Well, in percentages out of a hundred percent,

15 how much can be impacted upon and the fetus would still

16 survive up until the time of labor?

17 A I don't think anybody can really do that, to be

18 honest with you.

19 Q Do you have an opinion as to what that amount is?

20 A No, I have no opinion.

21 Q Have you ever heard of the description that

22 thirty percent of the placenta is available to be damaged or

23 used up by the fetus and still be able to get the fetus up

1 to labor?

2 A No, plus I don't know how one, you know,
3 quantitates that in a human fetus.

4 Q Do you believe that a fetus with an exhausted
5 placental reserve at the time of labor is more likely to
6 suffer acute hypoxic or ischemic insults because of an
7 inability to tolerate the usual stresses of labor that you
8 earlier talked about?

9 A You know, again, you're talking in broad, vague
10 generalities and I, you know, I understand what you're
11 getting to, but I don't think I can answer the question.

12 Q All right, so -- okay. You don't have an
13 answer?

14 A No.

15 Q Doctor, if a non-stress test had been performed
16 on this child five days to forty-eight hours before birth,
17 do you have an opinion as to whether or not it would have
18 been reactive or non-reactive?

19 A It could have been either. The non-stress test
20 does not tell you about brain impairment. It just tells you
21 about the status of the heart.

22 Q Pardon me. It doesn't tell you about brain
23 impairment?

1 A Brain impairment. It just tells you about the
2 status of the fetal heart tones.

3 Q All right. Well, in going along with your
4 constellation of clinical findings that are consistent with
5 brain damage, do you not generally have other organ system
6 failure?

7 A Yeah, but you're still going to have fetal heart
8 tones and you will still have variability unless the baby is
9 about to die or there's something else going on, but you
10 have to understand that the fetal heart doesn't tell you
11 anything about the brain. It just tells you about how the
12 heart is beating.

13 Q Well, if the child is being exposed to episodes
14 of hypoxic ischemic insults, would not the fetal heart tones
15 be indicative of some type of fetal distress?

16 A If you were to capture it at that particular
17 time, but, you know, the vast -- the majority of the time it
18 would probably be normal, or else the baby would be dead.

19 Q Would it be fair to say if this child had had
20 non-stress testing during that time period, there would be
21 times when that stress test would be non-reactive?

22 A No, that wouldn't be fair to say.

23 Q So, are you saying then that it would be fair to

1 say that it would be always reactive?

2 A No, that wouldn't be fair to say also.

3 Q You can't have it both ways, I don't believe,
4 based upon the way that question was phrased, Doctor.
5 Either there would be -- let me repeat the question.
6 If a non-stress test had been administered to
7 this mother from the fifth day before labor to the
8 forty-eighth hour before labor, do you have an opinion as to
9 whether or not there would be times in that interval which
10 would reflect a non-reactive non-stress test?

11 A You mean on a continuing basis?

12 Q No, not a continuing basis. At any time? Any
13 time?

14 A Well, again, the chances of it being abnormal on
15 a intermittent basis are probably pretty small unless one
16 would catch -- you know, if hypothetically an episode of
17 hypotension enough to causes ischemia to the heart to cause
18 bradycardia were there, then you might find some impairment
19 or enough to decrease the -- changes in fetal heart
20 patterns, but, you know, again, the -- it's really an
21 insensitive test in terms of brain damage. You know, it's
22 going to tell you how the fetal heart is doing.

23 Q If this child had suffered an acute hypoxic

1 ischemic insult in utero five days before before the onset
2 or five days before birth severe enough to cause the type of
3 brain damage that this child now has, would you expect to
4 have seen the type of CT scan findings that you did on the
5 thirty-first hour of life?

6 A Yes.

7 Q All right. So that is entirety consistent?

8 A Correct.

9 Q What if there was a singular episode of an acute
10 hypoxic ischemic event severe enough to cause the type of
11 brain damage that this child suffered at the forty-eighth
12 hour prior to birth, would you expect that that CT scan
13 finding at the thirty-first hour of Life would be consistent
14 with such an episode?

15 A Well, if you're just using that as an isolated
16 factor without all the other data to support it, which is
17 not the way we practice medicine, yes, I would expect at the
18 forty-eighth hour that that could possibly be the CT pattern
19 that you would see,

20 Q Okay. In your opinion, did this infant exhibit
21 any signs of metabolic acidosis in the neonatal period?

22 A No, I as recall there wasn't significant -- I
23 think the pH was seven point twenty-two, which is really not

1 a significant acidosis.

2 Q Does that have any impact one way or another on
3 your opinions as to when this child's brain injury occurred
4 in utero?

5 A No, this was really done, I think, at **1407** hours
6 on the 5th and there really is no base excess. so, there's
7 really no way **to** tell in terms of -- or at least assisting
8 you in terms **of** accumulation of fixed -- but I would, you
9 know, I would expect with a pH of seven point two two that
10 it would not certainly be any significant metabolic
11 acidosis.

12 Q Doctor, you examined my client, correct?

13 A Yes.

14 Q And was that examination performed at your
15 request or at the suggestion of Mr. Van Abel or someone in
16 his firm?

17 A I think both his firm and at my request. If I
18 was going to comment on life expectancy, I would prefer to
19 examine the child and sometimes that's not possible.

20 Q Was your examination intended to be solely
21 related to your testimony concerning life expectancy or was
22 it designed to assist you in anyway at arriving at your
23 opinions on causation?

1 A Well, I think both. I think that it's often
2 helpful for a physician who is talking or at least
3 evaluating a child in terms of what has caused his problem
4 to evaluate him.

5 Now as you well know, in medical-legal cases
6 that's not always the case and that's not always possible,
7 but I think it's extremely helpful if one can.

8 Q Has your examination of this child in anyway
9 impacted upon your opinions on causation?

10 A No, I think it's been entirely consistent with my
11 interpretation of the records.

12 Q Well, I guess I'm not -- well, let me rephrase
13 the question.

14 Were there any features of this child or features
15 of your examination of this child that led you to the
16 conclusion that this was or was not an intrapartum asphyxial
17 event?

18 A Say that again.

19 Q Sure. Was there anything about this examination
20 or the appearance of the child at the time of the
21 examination that led you to conclude, based upon the
22 examination, that this was or was not an acute asphyxial
23 event in the intrapartum period, the brain damage that is?

1 A No, I don't think an examination at this age can
2 differentiate that for you unless there are some other
3 features that would go along with another type of etiology.

4 Q Did this child have any type of feature or
5 constellation of features which would lead one to conclude
6 reasonably that there's a genetic abnormality that caused
7 his current neurological deficits?

8 A By genetic, you mean a hereditary disease or a
9 metabolic, degenerative, et cetera?

10 Q Right.

11 A I mean, I don't know what you mean by genetic.

12 Q Well, something that is inherited from his
13 parents.

14 A Well, I mean, there are many genetic diseases
15 that are not inherited from -- I mean, directly from them.

16 Q Does this child have any dysmorphic syndrome or
17 anything --

18 A No.

19 Q -- that would explain a hereditary cause for his
20 present brain damaged condition?

21 A No. My opinion is this child does not have a
22 dysmorphic syndrome, nor does he have a chromosomal
23 abnormality.

1 Q The abnormalities that you referred to in your
2 report, what is the cause of the various abnormalities that
3 you've discussed, without really having to isolate each one,
4 but were some of them due to the level of his brain damage
5 and subsequent anatomical changes because of failure of
6 brain to grow?

7 A Well, I don't know the answer to that. The
8 child, in terms of the slightly small jaw and slightly high
9 arched palate I think are minimal. The synophrys, again,
10 was also mild and they're minimal. So, I would not place a
11 great deal of emphasis on --

12 Q I had to look that one up, Doctor, and --

13 A Well, you've got some yourself.

14 Q I was just going to ask you that question.

15 Does that have any significance in this case?

16 A Well, it's fairly common in lawyers, but -- no, I
17 do not think that child has a dysmorphic syndrome.

18 Q Doctor, what, in your opinion, is the primary
19 cause of this child's diminished life expectancy?

20 A Well, there are multiple factors which are
21 involved with that. Predominantly being non-ambulatory, but
22 also his not having any bowel or bladder control, his severe
23 intellectual impairment, and lack of interaction with his

1 environment, in addition to his gastrostomy and his having
2 to be in a predominantly stationary position.

3 Q All right. Well, we've got a lot of generalities
4 here. What about his non-ambulatory state is going to
5 diminish his life expectancy?

6 A Well, the predominant cause of loss of life in a
7 child that is this severely impaired is a pulmonary
8 infection or sepsis. And when an individual is
9 non-ambulatory, has to spend the majority of his time lying
10 down and be moved, then they do not handle the pulmonary
11 secretions and they get infections. Now, some of those can
12 be treated, but usually one will succumb to that.

13 The other is when someone doesn't empty their
14 bladder because they have no control over it, they get gram
15 negative resistant organisms and they die of sepsis also.

16 So those are the reasons.

17 Q Does the quality of medical care or attention
18 that this child receives have any impact upon his life
19 expectancy from these problems?

20 A No, I don't think so. I think that -- I mean,
21 obviously if somebody is totally ignored, it would. But, I
22 mean, in terms of reasonable attention by either an
23 institution or family to a child's needs, it is going to

1 have the majority of individuals falling within the same
2 range.

3 Q So if I understand your answer correctly, you
4 would not believe that if an institution in Minnesota were
5 to provide adequate care and an institution in Mantua, Ohio
6 was to provide exemplary care, that that difference would
7 have any impact upon this child's life expectancy if he were
8 confined at the one versus the other?

9 A Well, you're going to have to explain to me what
10 you mean by adequate and exemplary and what does that
11 entail.

12 Q Well, I can't run the entire range of
13 possibilities by you, but I'm talking in terms of
14 generalities.

15 Can an institution's exemplary care impact upon
16 life expectancy in a general sense?

17 A But what do you mean by exemplary? I mean, I
18 don't know what you mean by that.

19 Q Well, assume that this child is being moved on an
20 hourly basis at one institution as opposed to an every three
21 hour basis at another institution?

22 A That will not materially affect life expectancy.

23 Q Okay. In terms of this child's life expectancy,

1 then infection is probably the primary cause of his ultimate
2 demise?

3 A Yes.

4 Q All right. What infections has he had up until
5 this point in time? He's now over five years of age.

6 A I don't know. I would have to go through his
7 chart and document that. **So**, I don't know that.

8 Q Would you expect that he would have already had
9 some bouts with infection?

10 A I would expect some, sure.

11 Q And if he has not had any, would you expect that
12 his care that he's being given at this facility is exemplary
13 care?

14 A No, that's not what you totally base exemplary
15 care on. I think that it is an extremely fine facility in
16 terms of at least the attention, the responsiveness, the --
17 my experience with the individuals who are at that facility,
18 I was quite impressed.

19 Q Had you ever been there before?

20 A **No**.

21 Q Are you currently taking care of any patients who
22 have a condition that is as profound neurologically as my
23 client that are in their thirties?

1 A That are in their thirties?

2 Q Yes.

3 A No.

4 Q Any in their forties?

5 A No.

6 Q Have you ever taken care of any individuals who
7 are as profoundly damaged as my client who have been in
8 their thirties?

9 A Not as a result of a birth injury, no.

10 Q What about in their twenties?

11 A Perhaps. I just don't know.

12 Q All right. If Dr. Cruse were to testify that he
13 has seen a number of patients that are in their thirties and
14 forties which as severe a neurological dysfunction as Justin
15 Berarducci, has that type of condition been described in the
16 literature, to your knowledge?

17 A Well, I don't see how that would be possible for
18 Dr. Cruse because he's only forty-five, and as a result of a
19 birth injury he's going to be saying that he's seeing --
20 taking care of children that are forty?

21 Q Doesn't mean he had to deliver them or see them
22 at birth, does it?

23 A No, I'm just saying in terms of where's he going

1 to find them? If he's only forty-five --

2 **Q** Well, they could have been thirty when he went
3 into practice, couldn't they, or --

4 **A** Well, I suppose. I would just have to say -- to
5 tell him to show them to you. I mean, I just don't have
6 them and I've asked all of my colleagues and we just don't
7 see them. I mean, I'm happy to acknowledge that if indeed
8 it exists, but I don't know where they are and I have a
9 large practice.

10 MR. HAWAL: Okay. Thank you, Doctor.

11 (Off the record discussion)

12 **BY MR. HAWAL:**

13 **Q** Doctor, let me back up. One thing I forgot.

14 Doctor, how much of your professional time
15 currently or in the last three years has been devoted to the
16 review of medical malpractice cases?

17 **A** It varies from ten percent to twenty percent.

18 **Q** All right. And of the total amount of time that
19 you spend in reviewing medical malpractice cases dealing
20 with obstetrical negligence claims, what percentage of your
21 business is related to representing or testifying for the
22 plaintiff versus testifying for the defense on a percentage
23 basis, best estimate?

1 A You mean in my professional expertise? The
2 majority is **for** the defendant because that's who asks me.

3 Q Well, can we quantify that? Is it fifty/fifty,
4 is it seventy-five/twenty-five, is it ninety-five/five?

5 A In terms of reviewing, it's probably seventy-five
6 percent from the defense and twenty-five percent from
7 plaintiffs.

8 Q All right. And in terms of the number of cases
9 -- total number of cases that you've testified in either by
10 deposition or trial, what would that percentage be?

11 A Greater than ninety percent.

12 Q For the defense?

13 A Yes.

14 MR. HAWAL: Thank you very much.

15 FURTHER, DEPONENT SAYETH NOT

16

17

18

19

20

21

22

23

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

CERTIFICATE OF WITNESS

I, ELIAS GEORGE CHALHUB, M.D., do hereby certify
that on this the ____ day of _____, 1990, I have read
the foregoing transcript and, with corrections attached
hereto, if any, it constitutes a true and accurate
transcript of my testimony taken on oral examination on July
9th, 1990.

ELIAS GEORGE CHALHUB, M.D.

Subscribed and sworn to before
me this the ____ day of _____, 1990.

Notary Public, State of _____
at Large

My Commission Expires: _____

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23

C E R T I F I C A T E

STATE OF ALABAMA)
COUNTY OF MOBILE)

I do hereby certify that the above and foregoing transcript of proceedings in the matter aforementioned was taken down by me in machine shorthand, and the questions and answers thereto were reduced to writing under my personal supervision, and that the foregoing represents a true and correct transcript of the proceedings given by said witness upon said hearing.

I further certify that I am neither of counsel nor of kin to the parties to the action, nor am I in anywise interested in the result of said cause.

LISA ELMORE PETERS
COURT REPORTER

4-261> Estate of Ashley Carr

DEPOSITION OF ELIAS CHALUB, M.D.
[Berarducci]

TAKEN ON July 9, 1990
by WILLIAM HAWAL, ESQ.

Pg / Ln

10/16-21	Not a NeuroRad, but can read his own films
15/20-23	Hypoxic ischemic insults cause cumulative brain damage But they don't have to.
16/8-9	Individuals can he hypoxic with ischemia
19/11-13	SGOT and SGPT = elevated = acute intrapartum ischemic event
37/9	Child. most likely suffered from a viral infection (39 Toxic exposure that is not able to be identified) (No head cinc measurements)

- 51/8 If there's enough necrosis, you **will** have reaction of cerebral edema
- 51/11 Severe deprivation of blood for there to be cerebral edema
- 54/12 Intracranial calcification is usually seen with an infectious process
- ???? 71 Ph - 7.22 - not a significant acidosis