

11/2"

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23

CIVIL DISTRICT COURT FOR THE: PARISH OF ORLEANS  
STATE OF LOUISIANA  
DIVISION "A"

\* \* \* \* \* e  
•  
HUEY P. NAQUIN, Individually \*  
and as Administrator of the e  
Estate of His Minor Child, \*  
ROSS A. NAQUIN, and \*  
DENISE NAQUIN, e  
e  
Plaintiffs, e  
e  
vs. 9  
\*  
DR. JAMES MOORMAN, et al., \*  
e  
Defendants. \*  
t  
\* \* \* \* \* e

CIVIL ACTION NUMBER  
84-5817

The testimony of ELIAS CHALHUB, MD., was  
taken at the offices of Charles A. Howard &  
Associates, Registered Professional Reporters,  
Riverview Plaza Tower, Suite 710, Mobile,  
Alabama, on the 5th day of November 1985,  
commencing at approximately 10:05 am.

A P P E A R A N C E S

1  
2  
3 POR THE PLAINTIFFS: KIERR, GAINSBURGH, BENJAMIN,  
4 FALLON & LEWIS  
5 ATTORNEYS AT LAW  
6 1718 FIRST NATIONAL BANK  
7 OF COMMERCE BUILDING  
8 NEW ORLEANS, LOUISIANA 70112  
9  
10 BY: LAWRENCE S. KULLMAN,  
11 ESQUIRE

12 FOR THE DEFENDANTS8 LEMLE, KELLEHER, KOHLMAYER,  
13 DENNERY, HUNLEY, MOSS & FRILOT  
14 ATTORNEYS AT LAW  
15 21ST FLOOR, PAN AMERICAN LIFE  
16 CENTER  
17 601 POYDRAS STREET  
18 NEW ORLEANS, LOUISIANA 70130  
19  
20 BY: WILLIAM S. PENICK, ESQUIRE

21 --  
22 DEBRA AMOS ISBELL, R.P.R.  
23 COURT REPORTER

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23

S T I P U L A T I O N

It is stipulated and agreed by and between the parties hereto, through their respective counsel, that the deposition of ELIAS CHALAU, M.D., may be taken before Debra Amos Isbell, Notary Public for the State at Large, at the offices of Charles A. Howard & Associates, Mobile, Alabama, on November 5, 1985.

It is further stipulated and agreed that this deposition is taken pursuant to the Louisiana Rules of Civil Procedure. The provisions dealing with waiver of errors and irregularities as to the taking of the deposition apply fully to this deposition.

Notice of the deposition and any errors or irregularities therein and any objections to the qualifications of the officer before whom this deposition is taken are waived.

.The submission of the deposition to the witness for reading to or by him and the signing of the deposition by him is not waived.

1                   Notice of filing of the deposition is waived.  
2                   Filing of the original of the transcript of this  
3                   deposition is waived.

4                   Any other technicality or defect in the taking  
5                   of this deposition not otherwise covered by the terms  
6                   of this stipulation is waived.

7                   \* \* \* \* \*

8  
9  
10  
11  
12  
13  
14  
15                   I, Debra Amos Isbell, Commissioner and Court  
16                   Reporter, certify that on this date, as provided by the  
17                   Louisiana Rules of Civil Procedure and the foregoing  
18                   stipulation of counsel, there came before me at the  
19                   offices of Charles A. Howard & Associates, Mobile,  
20                   Alabama, on the 5th day of November 1985, commencing at  
21                   10:05 am, ELXAS CHALHUB, M.D., witness in the above  
22                   cause, for oral examination, whereupon the following  
23                   proceedings were had:

1

ELIAS CXAPHUE

2

the witness, after having first been duly

3

sworn to tell the truth, the whole truth, and nothing

4

but the truth, was examined and depose<sup>2</sup> as follows:

5

EXAMINATION

6

BY MR. KULLMAN:

7

Q Dr. Chalhub, would you please give me your

8

full name?

9

A Elias George Chalhub.

10

Q And where do you live, sir?

11

A Mobile, Alabama.

12

Q What is your occupation?

13

A I'm a physician.

14

Q Dr. Chalhub, I had asked your attorney, Mr.

15

Penick, if you would bring your file with you to this

16

deposition. Did you bring that with you today?

17

MR. PENICK:

18

He has notes of his examination.

19

A I brought the notes of my exam. And he said

20

what the depositions I reviewed with the records, either

21

one of you would have. So I didn't bring those to

22

duplicate those.

23

MR. KULLMAN:

1 Q Excuse me?

2 A I asked Mr. Penick if the hospital records and  
3 the depositions that I reviewed would be available.  
4 And he said they would. So I didn't bring another set.

5 Q What about your records of billing? Did you  
6 bring those with you?

7 A No. That's done separately, I usually don't  
8 keep that in a file.

9 Q Do you have that at your office?

10 A No, probably not.

11 Q Where do you keep those records?

12 A Some of them are at home. Some of them are at  
13 the office. It depends on how it's done.

14 Q Did you check your office to see if you had  
15 records there?

16 A Well, I wasn't asked to bring any billing  
17 records..

18 MR. KULLMAN:

19 Mr. Penick?

20 MR. PENICK:

21 ..I didn't ask him.

22 MR. KULLMAN:

23 Can we get those before we leave today?

1 MR. PENICK:

2 I'm not sure. I have to see where they are  
3 and what's available.

4 MR. KULLMAN:

5 Q Can we check with your office?

6 MR. PENICK:

7 I don't think that's relevant, frankly.

8 MR. KULLMAN:

9 I think it's discoverable, Whether it's  
10 relevant or not, I don't know,

11 Q Can you call your office and check and see  
12 what you have there, sir?

13 A I can.

14 MR. KULLMAN:

15 Can we do that now?

16 MR. PENICK:

17 .Yeah. That's all right, Go ahead.

18 (A DISCUSSION WAS HELD OFF THE  
19 RECORD.)

20 A They don't have the records there. Some of  
21 them I keep at home, Some of them I keep there. I'll  
22 be glad to tell you what I charge. Mr. Penick can tell  
23 you what's been charged in this case if you want.

1 MR. KULLMANr

2 Q Do you know what's been charged in this case?

3 A No, I don't, It's been a long time.

4 Q When did you first become involved in the  
5 case?

6 A I believe approximately six months to a year  
7 ago. Somewhere around there.

8 Q Sometime in 1984?

9 A 1985, I don't believe it was in 1984,

10 Q Beginning of '85?

11 A Probably the spring. I'm not trying to be  
12 vague. It's been relatively recent, within the past  
13 year.

14 Q Would the billing records reflect that?

15 A Probably not,

16 Q Who did you first meet with about this case?

17 A Mr. Penick,

18 Q Had you had discussions with anyone else  
19 before Hr. Penick?

20 A No.

21 Q Did you know Mr. Penick before you met him in  
22 connection with this case?

23 A No, I didn't,



2 Q Do you know how Mr. Penick got your name?

3 Q Had you ever worked on any cases, Dr. Chalhub,  
4 for any other attorneys of Mr. Penick's firm?

5 A No.

6 Q What about Dr. James Hoorman? Do you know Dr.  
7 Moorman?

8 A No, I don't.

9 Q Had you ever heard of Dr. Hoorman before this  
10 case?

11 A No.

12

13 cases with St. Paul previously?

14 A I had worked with attorneys that I think  
15 represent St. Paul,

16 Q What about claims representatives?

17 A There have been some over the years.

18 Q What about in 1984?

19 A I honestly don't know whether there have been  
20 any claims representatives. I think there was one,  
21 yes. --

22 Q What was his name?

23 A Archie -- he's here in Mobile. I can't

1       remember his last name, His first name is Archie.

2       Q       What did thio Archie ask you to do?

3       A       There was a child that I had treated from  
4       Jackson, Alabama, I believe, that had retained an  
5       attorney and had filed a malpractice case and asked me  
6       if I would review the case from a causation standpoint:

7       Q       Were there allegations of obstetrical  
8       malpractice in that care?

9       A       I don't know what the allegations were. I  
10      didn't see a copy of the complaint so I don't know.

11      Q       Were there allegations of malpractice in that  
12      case?

13      A       Obviously, yes, if it was filed.

14      Q       Do you know who the plaintiff's attorney was  
15      in that case?

16      A       No, I don't.

17      Q       Did you give a deposition testimony in that  
18      case?

19      A       No.

20      Q       Did you give a written report in that case?

21      A       --Yes.

22      Q       Did you look at any other cases for St. Paul?

23      A       As I told you, usually I don't look at cases

1 for St. Paul. I usually, when I **do** look at cases, it's  
2 an attorney who contacts me, **so I look** at the case for  
3 the attorney. Sometimes **we don't** even know who the  
4 insurance company **is**.

5 Q Did you consult with St. Paul through a claims  
6 representative in any other **cases** in 1984 and 1985?

7 A I ~~may~~ have. I just can't recall.

8 Q Have you ever consulted with any other claims  
9 representatives of St. Paul other than thie Archie man  
10 whose name **you** don't recall?

11 A As I said, occasionally a claims  
12 representative will call and send a case to look at.  
13 And that's happened over the past three or four years.  
14 That's very infrequent,

15 Q How many times do you think that occurred in  
16 1984 from St. Paul?

17 A ~~Maybe~~ once or twice just like with this case.

18 Q When you say this case, which one are you  
19 referring?

20 A The one that you asked me about in Jackson,  
21 Alabama,.

22 Q What was the name of that child?

23 A It's been six months. I really don't know.

- 1 Q That's a child you treated?
- 2 A Yes.
- 3 Q What about in 1985? Have you been contacted  
4 by St. Paul claims representatives with respect to any  
5 cases?
- 6 A No. Thio was the case in 1985.
- 7 Q Any other cases in 1985 about which you have  
8 been contacted by St. Paul claims representatives?
- 9 A Again, it's hard for me to look at those, I  
10 just don't know. It may be an additional case. It's  
11 not very frequent,
- 12 Q What about in 1984? Were you contacted by St.  
13 Paul claims representatives in 1984?
- 14 A I think again, I've just answered it. It may  
15 have been one or two cases. But it's hard to be  
16 specific.
- 17 Q Do you know a claims representative named Bill  
18 Myers?
- 19 A No, not to my knowledge.
- 20 Q Do you know the names of any claims  
21 representatives of St. Paul in New Orleans?
- 22 A No.
- 23 Q Are you sure?

1 Well, if I do, I don't know them now. I mean,  
2 I don't know who the claims representatives are in New  
3 Orleans.

4 Q Do you know the names of any claims  
5 representatives in Mobile other than Archie?

6 A That's the only one I know of.

7 Q Where were you born, Dr. Chslhub?

8 A Boston, Massachusetts.

9 Q Did you go to school there?

10 A No. I moved from Boston when I was a year of  
11 age to West Palm Beach, Florida.

12 Q How long did you live there?

13 A Till I went to college.

14 Q Did you graduate from high school there?

15 A Yes, I did.

16 Q What high school?

17 A .Palm Beach High School.

18 Q Approximately what was your rank in your class  
19 when you graduated from high school?

20 A The top 20 percent. Again, it's a long time  
21 ago.

22 Q Where did you go to college?

23 A Emory University,

1 Q Did you graduate from Emory?

2 A Yes, I did.

3 Q In what year?

4 A 1965.

5 Q You started in '61?

6 A Yes.

7 Q And approximately what was your rank in your

8 graduating class from Emory?

9 A Again, somewhere in the top 20 percent. I

10 don't recall.

11 Q Do you have any academic honors?

12 A You mean in terms of cum laude?

13 Q (Nodding affirmatively.)

14 A I'll have to look at the diploma. I don't

15 remember.

16 Q Any other academic distinctions you recall?

17 A NO.

18 Q What did your dad do, Dr. Chalhub?

19 A He was a businessman.

20 Q What kind of business?

21 A In the entertainment business.

22 Q What about your mom?

23 A She was unemployed.

1 Q She didn't work outside of the home?

2 A That's correct.

3 Q Any doctors in your family?

4 A What do you mean by family?

5 Q Cousins, uncles, brothers.

6 A Yes.

7 Q How many?

8 A We have a big family. So it depends on how

9 far you want to go. Do you want first, second, third,

10 fourth cousins?

11 Q Sure.

12 A I don't know whether I can remember -- I have

13 a cousin who's a cardiovascular surgeon in Miami.

14 Q What's his name?

15 A Dr. Tradd, T-R-A-D-D.

16 MR. PENICK:

17 .Is this really relevant?

18 MR. KULLMAN:

19 I don't know.

20 MR. PENICK:

21 ..I don't think it is.

22 MR. KULLMAN:

23 Q Any other?

1       A           There's one in Washington, D.C.

2       Q           What's his name?

3       A           Maloof, M-A-L-O-O-F.

4       Q           M-A-L-O-O --

5       A           O - O - Fa

6       Q           What's his first name?

7       A           I don't know.

8       Q           What kind of doctor is he?

9       A           It's an ophthamologist.

10      Q           Any others you can think of?

11      A           There's some others. I just can't think of

12      how far away they are right now.

13      THE WITNESS:

14                  Off the record just a mlnute.

15                                  (A DISCUSSION WAS HELD OFF THE

16                                  RECORD .)

17      HR. KULLMAN:

18      Q           Dr. Chalhub, when did you decide to go to

19      medical school?

20      A           Somewhere in my sophomore, junior year.

21      Q           ..Of college?

22      A           Uh-huh (positive response.)

23      Q           When did you go to medical school?



1 A Xn 1965.

2 Q Where?

3 A At Emory University,

4 Q And when did you graduate?

5 A In 1969,

6 Q Were there any academic distinctions that you

7 recall?

8 A No, not that I recall.

9 Q What did you decide to do after that?

10 A Well, I decided to get some training,

11 Q Where?

12 A Well, I went first to the University of North

13 Carolina in Chapel Hill.

14 Q Why did you go there?

15 A Well, because I thought it was a good training

16 program. An area of interest of mine was infectious

17 diseases. And the chairman of the department of

18 pediatrics there was a well recognized individual in

19 training in that area.

20 Q That was a residency or a fellowship?

21 A ..No. That was an internship.

22 Q How long was the internahip for?

23 A One year.

1 Q Did you complete it?

2 A Yes .

3 Q What did you decide to do after that?

4 A Then I went to the National Institutes of  
5 Health in Bethesda, Maryland.

6 Q What was the purpose of that?

7 A To get further training in infectious  
8 diseases.

9 Q And what was the length -- was it a  
10 fellowship, a residency?

11 A It's called a special research associate  
12 position, And it's with the National Institute of  
13 Allergy and Infectious Disease,

14 Q And how long was that to last?

15 A Two years.

16 Q Did you complete it?

17 A Yes, I did.

18 Q And what year did you complete that?

19 A I guess '71.

20 Q As of 1971, had you been involved in the  
21 private-practice of medicine at all?

22 A No.

23 Q As of 1971, were you Board certified in any

1 specialty?

2 A NO.

3 Q What did you decide to do after that?

4 A I then went to Washington University in St.  
5 Louis,

6 Q For what purpose?

7 A To do a pediatric residency.

8 Q Why did you decide to do that?

9 A Well, because I thought it was the best  
10 pediatric residency at that time.

11 Q How long was it supposed to last?

12 A A year.

13 Q Did you complete it?

14 A Yes, I did.

15 Q Was that in '72?

16 A Yes.

17 Q And what did you decide to do after that?

18 A Then I did a year of adult neurology at  
19 Washington University at Barnes Hospital.

20 Q Why did you decide to do that?

21 A ,,Because I wanted to become a neurologist.

22 Q Did you complete that?

23 A Yes.

1 Q In what year?

2 A I guess 1973. . .

3 Q As of 1973 were you Board certified in any

4 fields?

5 A No.

6 Q What did you decide to do after that?

7 A Then I did two years of pediatric neurology,

8 Q And why did you decide to do that?

9 A Because I wanted to be a pediatric

10 neurologist.

11 Q Where did you do it?

12 A At St. Louis.

13 Q Who was in charge of that program?

14 A Dr. Philip Dodge.

15 Q He's with Washington University?

16 A Yes.

17 Q Was he the person directly responsible for

18 your training or was there someone else?

19 A He's the one that's directly responsible.

20 Q Were there others involved, too?

21 A Well, they have 21 neurologists on the staff.

22 So yes.

23 Q And when did you complete that?

- 1 A I believe in 1978.
- 2 Q And what did you decide to do after that?
- 3 A Then I went to the University of Arkansas and
- 4 worked on the full-time faculty in charge of child
- 5 neurology.
- 6 Q Why did you decide to do that?
- 7 A Because I thought it was a good place to go,
- 8 it was a reasonable offer and it was a challenging
- 9 experience.
- 10 Q How long did you do that for?
- 11 A Two years.
- 12 Q Did you when leave there?
- 13 A Yes, I did.
- 14 Q Why did you leave?
- 15 A Because I wanted to live on the Gulf Coast.
- 16 Q Were you having any problems at the university
- 17 of Arkansas when you left?
- 18 A In terms of what?
- 19 Q Your professional relations with anyone there?
- 20 A No. When I left, we had talked about
- 21 expanding the department of neurology and pediatric
- 22 neurology and that was in the plan of the dean. So
- 23 I wanted to live on the Gulf Coast and it was the time

- 1 to move. I had no other problems.
- 2 Q What was the dean's name?
- 3 A He's since resigned. I can't recall his name.
- 4 Q Did you have any other disagreements with the
- 5 dean that you recall?
- 6 A No .
- 7 Q When did you leave the University of Arkansas?
- 8 A I believe 1978.
- 9 Q And where did you go?
- 10 A I came to Mobile.
- 11 Q And what did you do?
- 12 A I did pediatric neurology. I was on the staff
- 13 at the University of South Alabama and also in private
- 14 practice.
- 15 Q Were you in private practice on your own or
- 16 with a group?
- 17 A .No. I was basically on *my* own. I shared an
- 18 office with in adult neurologist.
- 19 Q What was his name?
- 20 A Dr. Green.
- 21 Q --What's his first name?
- 22 A Robert.
- 23 Q How long did you have that arrangement?

- 1 A Approximately a year, I think, give or take a  
2 few months.
- 3 Q Did you all take calls for each other?
- 4 A Yes.
- 5 Q When did that cease?
- 6 A Somewhere after a year.
- 7 Q What did you do then?
- 8 A I joined two other neurologists here,
- 9 Q Are they in the Neurologic Center?
- 10 A Yes.
- 11 Q And what year was that?
- 12 A I guess somewhere in '79, '80. Somewhere  
13 around that time.
- 14 Q Have you been with the Neurology Center ever  
15 since?
- 16 A Yes, I have.
- 17 Q Have you had any absences from the Neurology  
18 Center?
- 19 A I don't understand what you mean.
- 20 Q Have you taken a leave of absence or taken  
21 time off for any reason other than vacations?
- 22 A They won't let me.
- 23 Q Prior to 1980, Dr. Chalhub, had you done any

1 consultation work for defendants in medical negligence  
2 cases?

3 A It's hard to remember that far back. There  
4 may have been an occasional case, but X just can't say.  
5 There may have been one or two cases, but certainly I  
6 don't believe that I was involved.

7 Q Approximately how many cases do you think that  
8 you've offered testimony in or given expert opinions  
9 in, Dr. Chalhub, in which there were claims of  
10 obstetrical negligence?

11 A You mean as a defense expert or as a  
12 plaintiff's expert?

13 Q Either way.

14 A Again, I'd just have to give you a ballpark  
15 figure. Because it's hard to -- it gets difficult  
16 because we do child abuse and there are other cases  
17 which you get involved with, DPS and so forth. So  
18 sometimes it's hard to separate those. And I can't in  
19 my mind keep those different. But I usually give about  
20 anywhere from three to five depositions a year. And  
21 maybe half of those are obstetrical case? So that's  
22 about the closest I could come.

23 Q Two to three depositions a year in



1       obstetrical?

2       A           Yeah, two to three, probably. Again, I don't  
3       know. And again, let me clarify it, I don't give  
4       expert testimony in obstetrical cases, I give it in  
5       neurological problems.

6       Q           What does that mean?

7       A           It means just what I said.

8       MR. PENICK:

9                 He's not an expert in OB.

10      MR. KULLMAN:

11                I appreciate your testimony, Mr. Penick.

12      A           Yeah; that's exactly right, I'm not an  
13      obstetrician. So I don't testify as an obstetrician.

14      MR. KULLMAN:

15      Q           Is it your testimony, Dr. Chalhub, that you  
16      have never offered testimony or expert opinion as to  
17      the standard of care of an obstetrician?

18      A           To the best of my knowledge. Certainly I  
19      think that she makes comments concerning certain  
20      procedures and things done in the total reflection of a  
21      case. But no, I've never testified as an obstetrical  
22      expert.

23      Q           Isn't it true, Dr. Chalhub, that you have

1 testified or offered expert opinion in cases v  
2 obstetrician has deviated from the standard of care?

3 A Oh, yes. But I have not testified as an  
4 obstetrician.

5 Q As a neurologist, you've testified that in  
6 your opinion, an obstetrician has deviated from the  
7 standard of care; isn't that correct, sir?

8 A Oh, yes.

9 Q How often?

10 A Once.

11 Q Only once?

12 A That an obstetrician has deviated from the  
13 standard of care? I believe so.

14 Q Do you recall the name of the child involved  
15 in that case?

16 A No, not right now.

17 Q Do you recall the name of the attorney with  
18 whom you were consulting in that case?

19 A Yes. Mr. McMath.

20 Q He was the plaintiff's attorney?

21 A Yes.

22 Q Did you give a report in that case?

23 A I don't remember. I don't think so.

1 Q Did you give a deposition in that case?

2 A Yes, I did.

3 Q Did **you** testify at trial?

4 A No. It's not gone to trial.

5 Q At the deposition, did **you** testify that **it was**  
6 your opinion that the obstetrician deviated from the  
7 standard of **care**?

8 A This was three or four **years** ago, **I'd have** to  
9 go back and look at **it**. A8 I recall, I usually don't  
10 get out of **my** area of expertise. So I would probably  
11 have testified on causation,

12 Q Isn't **it** true that **you** testified that **the**  
13 obstetrician deviated from the standard of **care**?

14 A I'd have to go back and look at **it**. I just  
15 don't know.

16 Q Wasn't that your opinion?

17 MR. PENICK!

18 I think he's answered that.

19 A I've tried to tell you that I can't tell you  
20 exactly. I'd have to go back and look at **the**  
21 deposition. My opinion **was** that the **problem** at birth  
22 in that child was caused **as a** result of **the** delivery.

23 XR. KULLMAN:

1 Q Do you recall that your opinion was that the  
2 obstetrician had deviated **from the standard of care**?

3 A I don't recall. If you'll give me the  
4 deposition, I'll be glad to look at it.

5 Q Do you recall any other cases where you've  
6 offered opinions **as to the standard of** care rendered by  
7 an obstetrician?

8 A You mean that they deviated?

9 Q Or they didn't.

10 A Yeah. I think that I've testified, an I said,  
11 several times per year in terms of causation. I'm  
12 sorry. Maybe I didn't understand the question. Can  
13 you read the question again for me?

14 (REQUESTED PORTION OF RECORD READ.)

15 A No. As I said -- I'm sorry, I didn't  
16 understand the question. I usually don't testify in  
17 terms of, the standard of care of an obstetrician.  
18 Mainly as a pediatric neurologist in terms of  
19 causation.

20 MR. KULLMAN:

21 Q ..But do you recall other **cases where you have**  
22 **offered the opinion with respect to the standard of**  
23 **care of the obstetrician?**

- 1 A No, not right now,
- 2 Q Do you know an attorney named Quiggle?
- 3 A Yes, Okay, There **was** an additional case.
- 4 Q Who was Hr. Quiggle?
- 5 A **He's** an attorney I **think** from North Little
- 6 Rock.
- 7 Q Do you know Mr. Quiggle?
- 8 A No.
- 9 Q Have you consulted with Mr. Quiggle?
- 10 A By telephone,
- 11 Q What was that case about?
- 12 A That was an obstetrical **case** that he asked me
- 13 to review. And I told him that I did not think that
- 14 the problems at birth were related to the child's
- 15 problems.
- 16 Q Did you offer opinions with respect to the
- 17 standard-of care of the obatetrfcian?
- 18 A Again, that's a long time ago. I don't
- 19 recall. But as I recall, to the best of my knowledge,
- 20 I had told him that I did not feel that the problems
- 21 that the-child **had** were a result of the birth,
- 22 Q Bid you offer an opinion wfth respect to the
- 23 standard of care of the obstetrician?

1 A I don't know.

2 Q Any other cases that you recall where you  
3 expressed an opinion with respect to the standard of  
4 care of an obstetrician?

5 MR. PENICR:

6 Object to the use of the word 'other. in the  
7 question mince Dr. Chalhub has testified he does not  
8 recall in either of those other two cases whether or  
9 not he testified about obstetrical standards of care.

10 A Not that I can recall right now. I just  
11 forgot About Mr. Quiggle. Because I think that was the  
12 case that I told him I didn't think there was any  
13 relationship between the baby's problems and the  
14 problems at birth.

15 MR. KULLMANT

16 Q What about an attorney named Belli? Did you  
17 ever do any consultation for him?

18 A Yes.

19 Q What kind of case?

20 A It was a child that I treated here in Mobile.  
21 The name-of the baby is Amanda Arrington.

22 Q Does that involve claims of obstetrical  
23 negligence?

- 1 A I don't think so.
- 2 Q Do you know a plaintiff's attorney named Tom
- 3 Davis?
- 4 A No.
- 5 Q Did you do any consultation work for attorneys
- 6 in Jackson, Mississippi?
- 7 A Yes. Certain defense attorneys.
- 8 Q Can you name them for me?
- 9 A George Swann and Jimmy Reynolds.
- 10 Q In the last year, howc you offered testimony
- 11 on behalf of either of those attorneys in cases
- 12 involving brain damaged babies?
- 13 A Yes, I believe. I do 'c know whether the case
- 14 with Mr. Evans was i 1985 or '84. I don't recall.
- 15 Q In either of those cases, id you offer
- 16 testimony with respect to the standard of care of the
- 17 obstetrician involved?
- 18 A The case with Mr. Evans wasn't a case against
- 19 an obstetrician. It was a baby that had a cardiac
- 20 arrest in the nursery. So the answer is no.
- 21 Q Did you offer testimony with respect to the
- 22 standard of care of the physician involved in that
- 23 case?

1       A       Yes.

2       Q       Were they neurologists?

3       A       No.

4       Q       What was their specialty?

5       A       They were neonatologists.

6       Q       Did you offer testimony with respect to

7       whether or not they deviated from the standard of care?

8       A       Again, I'd have to go back and look at the

9       deposition. As I recall, again, it was mostly in terms

10      of causation as to whether the cardiac arrest was

11      related to the child's problems.

12      Q       Didn't you also testify that in your opinion,

13      the physicians had not deviated from the standard of

14      care?

15      A       I don't know. I'd have to get the deposition

16      and see exactly what I stated so that we could be

17      accurate,

18      Q       What about Mr. Reynolds?

19      A       Well, I have reviewed a case for him that I've

20      not given a deposition in nor testified,

21      Q       ,Have you given an opinion as to the standard

22      of care of the doctor involved?

23      A       Not in terms of the doctor. In terms of



1       causation.

2       Q           My question is with respect to the doctor. Is  
3       the doctor an obstetrician?

4       A           Yes, Re is.

5       Q           And have you given him an opinion as to  
6       whether or not the doctor deviated from the standard of  
7       care?

8       A           As I told you before, I usually don't testify  
9       in terms of whether an obstetrician does his job  
10      appropriately. And what I usually review is whether  
11      the problem that the child had is related to the insult  
12      or the allegations of insult,

13      Q           Is it your testimony, Dr. Chalhub, that you  
14      don't offer opinions as to the standard of care of  
15      obstetricians or neonatologists?

16      A           No, I didn't say that. I said of  
17      obstetricians, I don't testify as to whether an  
18      obstetrician delivers a baby appropriately. Now, there  
19      are certain areas, as I am a pediatrician and take care  
20      of babies, that I think I am able to state in terms of  
21      a standard of care of certain care rendered by  
22      neonatologists. And when that's the case and I feel  
23      that I have the ability to do that, I do it.

1 Q You've testified with respect to the standard  
2 of care of infant resuscitation?

3 A Yes, I believe so.

4 Q Did that case involve a neurologist?

5 A I don't know. I think the only case that I  
6 can recall right now is the case with Mr. Evans, I  
7 don't think a neurologist was involved.

8 Q Have you ever testified for the plaintiff that  
9 in your opinion, the physicians rendering an infant  
10 resuscitation had deviated from the standard of care?

11 A I just don't recall. I mean, I may have,

12 Q Isn't it true, Dr. Chalhub, that when you've  
13 been contacted by claims representatives for St. Paul  
14 Insurance Company, you have given them opinions as to  
15 whether or not the physician involved had deviated from  
16 the standard of care?

17 A As I said, usually that's not the case.  
18 Usually I will testify on my knowledge and area of  
19 expertise. And that's usually in causation.

20 Q Isn't it true that you have offered to claims  
21 representatives of St. Paul the opinion that a  
22 physician involved in a case in which they are involved  
23 has deviated from the standard of care?

1           A           Certainly in other physicians where I feel  
2           like I'm able, as I've told you, to comment on that,  
3           surely. But as I've told you again, I don't usually  
4           review cases for claims representatives, It's usually  
5           for attorneys.

6           Q           Haven't you commented on the standard of care  
a           in this case?

8           A           I don't understand what you mean by the  
9           question.

10          Q           Haven't you commented on the standard of care  
11          rendered by Dr. Moorman in this case?

12          A           I haven't commented before at all.

13          Q           You have never commented to anyone any opinion  
14          as to the standard of care rendered by Dr. Moorman in  
15          this case; is that your testimony?

16          A           I have stated in this case in terms of what I  
17          think in terms of causation of this child's problem.

18          Q           I understand that, Is it your testimony that  
19          you have never commented on the standard of care  
20          rendered by Dr. Moorman in this case to anyone?

21          A           Not to my knowledge.

22          Q           Since being asked to consult in this case, Dr.  
23          Chalhub, has Mr. Penick or other members of his firm

1       asked you to look at any other cases?

2       A           I have looked at a case with Mr. Penick and  
3       another attorney. And I don't know whether it was  
4       before this case or after this case. It was  
5       approximately the same time.

6       Q           What did that other case involve?

7       A           I'll have to think. I believe it was a  
8       newborn, but I just can't remember the specifics. I  
9       think the name of the case was Blackwell.

10      Q           Did you testify in that case?

11      A           No, I didn't.

12      Q           Did you give Mr. Penick a report in that case?

13      A           A verbal report.

14      Q           Did you give him a verbal report as to  
15      causation?

16      A           I believe I did.

17      Q           And what was your report?

18      A           Well, I can't remember the case so I can't  
19      tell you.

20      Q           Did you give him a verbal report with respect  
21      to the standard of care?

22      A           I don't believe so.

23      Q           Have you ever given an opinion as to the

standard of care rendered by a neurosurgeon?

2 A Yes, I have.

3 Q You're not a neurosurgeon, are you?

4 A No.

5 Q Have you ever consulted in any cases in which  
6 the plaintiffs' law firm of Cunningham, Bounds has been  
7 involved in Mobile?

8 A I don't understand what you mean by consulted.

9 Q Have you ever given opinions in medical  
10 negligence cases in which that firm has been involved?

11 A Yes, I have.

12 Q Can you recall the last one?

13 A Yes, I do,

14 Q What did that involve?

15 A That was a child that had pyloric stenosis ab  
16 age four to six weeks, I believe, and had a cardiac  
17 arrest. .

18 Q Did you offer testimony as to the standard of  
19 care rendered by the physicians in that case?

20 A Again, I think it was mostly in terms of what  
21 caused the cardiac arrest. I can't recall. I'd have  
22 to get the testimony out to see exactly whether I made  
23 that statement. That was a good while ago.

1 Q Did you have an opinion **as** to the standard of  
2 care rendered **by** the physicians **in** that case?

3 A I did. I can't remember whether **they** had  
4 asked me that question **specifically**.

5 Q Did you offer that opinion to the attorney **who**  
6 consulted you?

7 A **Yes, I did.**

8 Q Any other cases that you recall involving that  
9 firm?

10 A Again, right now **just** to name them -- if you  
11 have them in mind, **I'd be** glad to **comment** on them. But  
12 they're the biggest **firm** in this town. So there **may**  
13 have **been** other cases. And most **of** those have been my  
14 patients that I take care **of**. So I can't really tell  
15 **you**. So I don't want to **be** inaccurate. But if you  
16 have the names, I'll **be** glad to try to recall them for  
17 you. ■

18 Q No, I don't have **any** names, **sir**.

19 A I think **that's** the only case that I have  
20 testified with that **firm**, to **my** knowledge. Now, there  
21 could **be others**. But right now that's the one that's  
22 **been** most recent.

23 Q Have you **ever** reviewed fatal heart monitor

1 tracings for an attorney?

2 A No.

3 Q Are you sure of that?

4 A Yes. I mean, I'm not an expert in fetal  
5 monitoring. So I don't review them,

6 Q Have you received training as a  
7 neuropathologist?

8 A Yes.

9 Q How much?

10 A Four months,

11 Q Where?

12 A At Washington University,

13 Q Do you feel that you're qualified as an expert  
14 in neuropathology?

15 A No, I'm not a neuropathologist. But as a  
16 neurologist and individual that deals with looking at  
17 pathology in various disease states, one has a certain  
18 amount of expertise. And it depends on that particular  
19 disease that you're involved in. And in some of those  
20 instances, I feel quite competent to look at pathology  
21 and make comments. It's the same way with  
22 neurosurgical procedures. If you're involved in them  
23 and you know them, then you are able to comment on

1 certain standards within that area.

2 Q What about neuroradiology? Have you received  
3 training in neuroradiology?

4 A Yes, You receive training all through your  
5 neurological residency in neuroradiology.

6 Q Do you consider yourself an expert in  
7 neuroradiology?

8 A Well, I'm not a neuroradiologist. But I have  
9 a great deal of expertise within that area.

10 Q Do you have any greater training in  
11 neuroradiology than anyone else who's ever gone through  
12 a fellowship in neurology and pediatric neurology?

13 A I can't comment on that because I don't know  
14 what you're comparing it to.

15 Q Have you had any additional training in the  
16 field of neuroradiology that one would not expect any  
17 other pediatric neurologist to have had?

18 A Every training program is different. So I  
19 can't answer that question. I don't know what every  
20 other pediatric neurologist has ever had.

21 Q Have you had any additional training in the  
22 field of neuroradiology since you completed your  
23 training in pediatric neurology?



1           A           Yes.  It's a continuing education process.  
2           One goes to conferences.  One attends conferences.  One  
3           continues to take training in those areas.

4           Q           Have you received any certificates or any  
5           other indication of any completion of any other courses  
6           in the field of neuroradiology since you completed your  
7           pediatric neurology fellowship?

8           A           Yes, I have.

9           Q           In what?

10          A           They're just reviews of neuroradiology,

11          Q           What certificates have you received?

12          A           That's since 1971.  I just can't tell you that  
13          right now.

14          Q           When was the last time you received such a  
15          certificate?

16          A           I don't know.

17          Q           In 1985, Dr. Chalhub, can you tell me how many  
18          times you have reviewed cases involving brain damaged  
19          babies?

20          A           There's no way for me to tell you that,

21          Q           Other than this case, can you think of any  
22          other cases that you've reviewed?

23          A           Yeah.  I mean, there are other cases.  You

1 know, again, the specifics are hard to relate unless I  
2 have the cases there.

3 Q Well, do you recall any of them that you  
4 reviewed?

5 MR, PENICK:

6 In 1985?

7 NR, KULLMAN:

8 Yes.

9 A And you're talking about just brain damaged  
10 newborns or such as in the Case of Mr. Evans?

11 Q Either one.

12 A Well, I recall that case, as we've already  
13 talked about. I recall the case of the Cunningham  
14 firm.

15 Q What case was that?

16 A The case you had asked me about,

17 Q What was the name of the patient?

18 A Hinkle,

19 Q Hinkle. H-I-N-K-L-E?

20 A That's correct.

21 Q Any other.?

22 A There are others. I can't just give you those  
23 names. I don't keep a record.

1 Q Do you know anything about fetal heart monitor  
2 tracings?

3 MR. PENICK!

4 I don't know what you mean by anything.  
5 That's a very vague question. I object to the form of  
6 the question. If you can answer it, Doctor, go ahead.

7 A No, I can't answer the question.

8 MR. KULLMAN:

9 Q Do you know something about fetal heart  
10 monitor tracings?

11 MR. PENICK:

12 Same objection,

13 A What do you mean by something?

14 MR. KULLMAN:

15 Q What do you know about them?

16 MR. PENICK:

17 I can't let him answer that question. If you  
18 could be more specific, I'll let him answer.

19 MR. KULLMAN:

20 Q Do you know what fetal heart monitoring is  
21 meant to-demonstrate?

22 A Yes.

23 Q What is that?

- 1
- 2
- 3
- 4
- 5
- 6
- 7
- 8
- 9
- 10
- 11
- 12
- 13
- 14
- 15
- 16
- 17
- 18
- 19
- 20
- 21
- 22
- 23

f or particular question, ask him, I object and I'm  
2 instructing this witness not to answer the question.

3 MR, KULLMAN:

4 Q Dr. Chalhub, are you aware of some commonly  
5 described fetal heart patterns?

6 A Which ones?

7 Q Any.

8 MR. PENICK:

9 I make the name objection, Doctor, if you can  
10 answer that question --

11 A I can't answer it.

12 MR. KULLMAN:

13 Q That's fine. What types of pathologic change  
14 can hypoxia in the perinatal period cause in the fetal  
15 brain?

16 MR. PENICK:

17 Let me object to the form of the question.  
18 Because I need to know and I think the doctor does,  
19 too, one, what do you mean by perinatal period? two, at  
20 what stage of gestation; and three, any other factors  
21 about the pregnancy or about the fetus that might be  
22 unusual or abnormal.

23 MR, KULLMAN:

1                   Would you read back my question to Dr,  
2                   Chalhub?

3                                   (REQUESTED PORTION OF RECORD READ,)

4                   MR. PENICK:

5                           Doctor, if you can answer that question as  
6                   stated --

7                   A           No, I can't answer it as stated. Because  
8                   there are too many variables. And one has to know the  
9                   gestation of the infant, One has to know the type of  
10                  birth process and the problems causing the hypoxia.  
11                  One has to know the relative degree of hypoxia or  
12                  ischemia, whether ischemia is involved. There are just  
13                  too many variables. If you want to be specific, then  
14                  we can talk in terms of a type of infant in a given  
15                  situation with a given set of facts.

16                  HR. RULLXAN:

17                  Q           Would you agree that hypoxia occurring in the  
18                  perinatal period causes three basic types of pathologic  
19                  change in the fetal brain?

20                  A           What are the three basic things that you're  
21                  talking about? I can't agree if I don't know what they  
22                  are.

23                  Q           Do you agree with the statement?

1 A NO.

2 THE WITNESS:

3 Let's take a short break.

4 (A DISCUSSION WAS BELD OPF THE  
5 RECORD. )

6 MR, KULLMAN:

7 Q Dr. Chalhub, have you ever been involved in an  
8 investigation by the District Attorney's office here?

9 A Yes.

10 Q What was that about?

11 A What are you referring to?

12 Q Well, hov many investigations have you been  
13 involved in?

14 A Well, I don't even know whether it was an  
15 investigation. There was a case in which I te'stified  
16 in that I believe one of the witnesses stated that they  
17 felt that in some way I had inhibited his testimony,  
18 which of course was totally untrue. In fact, I never  
19 talked to the witness.

20 Q Was the witness a physician?

21 A ..Yes.

22 Q What was the physician's name?

23 A Dr. Chartrand.

- 1 Q What's his first name?
- 2 A I don't remember.
- 3 Q How do you spell his last name?
- 4 A C-H-A-R-T-R-A-N-D.
- 5 Q Where does Dr. Chartrand practice?
- 6 A He practices here in Mobile,
- 7 Q What kind of physician is he?
- 8 A He's a pediatrician.
- 9 Q Did you testify in a case in which Dr.
- 10 Chartrand also testified?
- 11 A Yes.
- 12 Q Is that a case we've talked about previously?
- 13 A I don't remember whether we did or not.
- 14 Q What did that case involve?
- 15 A It was a child that came to an emergency room
- 16 that had pneumonia and subsequently developed
- 17 meningitis sepsis and shock and died.
- 18 Q Were you consulted in that case?
- 19 A I was asked to review the file, yes.
- 20 Q By whom?
- 21 A By Mr. Reeves.
- 22 Q Is he a defense attorney?
- 23 A Yes.



- 1 Q Did you testify in that case?
- 2 A Three times.
- 3 Q Did you offer an opinion as to the standard of  
4 care rendered by the physicians in that case?
- 5 A Yes. I did.
- 6 Q What physician<sup>83</sup>
- 7 A The emergency room physician.
- 8 Q Are you Board certified in emergency room  
9 medicine?
- 10 A No. But I'm Board certified in neurology and  
11 pediatrics and quite competent to speak on the area of  
12 bacterial meningitis since that's my area of research.
- 13 Q What was the name of the plaintiff in that  
14 case?
- 15 A I don't recall now.
- 16 Q And why was the District Attorney involved?
- 17 A I really don't know. I believe that Dr.  
18 Chartrand had felt that in some way he was inhibited  
19 with his testimony. But he testified three times and  
20 didn't appear to be inhibited one way.
- 21 Q Did you watch him testify?
- 22 A No.
- 23 Q How do you know whether he appeared to be

1 inhibited or not?

2 A Well, I read his testimony,

3 Q Were you contacted by the District Attorney's  
4 office?

5 A No.

6 Q How do you know the District Attorney was  
7 involved?

8 A Because he was at the time of the trial.

9 Q What happened?

10 A Well, the District Attorney asked me if I had  
11 talked with Dr. Chartrand about this case, which I had  
12 not, And if I had inhibited or intimidated him in any  
13 way, which I had not, And that was all there was to  
14 it.

15 Q So the District Attorney spoke to you about  
16 the case?

17 A No. It wasn't the District Attorney. It was  
18 one of the assistants in his office,

19 Q I'm sorry. Did you say earlier you had not  
20 been contacted by the District Attorney's office about  
21 this case?

22 A You didn't ask me that until now. But I  
23 wasn't. This was in court at the request of the judge.

1 And they asked a few questions, And that was all there  
2 was to it. And there was no more to-do about *it*.

3 Q Have you been contacted by the District  
4 Attorney's office in any other casts?

5 A NO.

6 Q Have you been contacted by the U.S. Attorney  
7 in any other cases?

8 A Not that I'm aware of.

9 Q In the past three years, Dr. Chalhub, have you  
10 been a participant in any seminars as a speaker or  
11 moderator or author?

12 A You mean just in general?

13 Q Yes, sir.

14 A Oh, surely,

15 Q How many?

16 A You know, I teach at the University of South  
17 Alabama and previously was on more of a full-time  
18 basis, And I'm active as a child neurologist. I can't  
19 tell you. We do it all the time. I give conferences  
20 and lectures periodically, As well as to a number of  
21 lay groups and other continuing medical education  
22 groups ,

23 Q Have you ever been a participant in any

1 conference involving insurance companies?

2 A No.

3 Q Have you ever been a participant in Any  
4 conference or seminar involving defense attorneys?

5 A No.

6 Q Have you ever been to St. Paul, Minnesota?

7 A Yes.

8 Q When?

9 A When I looked for an internship.

10 Q Where were you looking?

11 A The University of Minnesota. I guess it's in  
12 St. Paul.

13 Q Have you ever been there since?

14 A I may have been to one conference, but I can't  
15 remember, in child neurology there I believe in St.  
16 Paul. But it just escaped me right now.

17 Q Did you ever speak to any representatives of  
18 St. Paul Insurance Company in St. Paul?

19 A No.

20 Q Have you been to New Orleans in connection  
21 with this case?

22 A Yes, I have.

23 Q How many times?

1           A           Once .

2           Q           When?

3           A           To talk with Mr. Penick.

4           Q           When was that?

5           A           Somewhere around five or six months ago.

6           Q           Was that the first time you had talked to Mr.

7           Penick about this case?

8           A           I may have talked with him over the phone

9           before that. I can't recall right now.

10          Q           Was that your only reason for going to New

11          Orleans that time?

12          A           No. I had discussed the other case that Mr.

13          Penick was involved with at the same time.

14          Q           Has your only reason to go to New Orleans that

15          time to meet with Mr. Penick?

16          A           No. I went also to go shopping and to have

17          some entertainment.

18          Q           Are you married?

19          A           Yes .

20          Q           Is this your first, second marriage?

21          A           -It's the first one.

22          O           Good. When you met with Mr. Penick about this

23          case, were any other people present?

1 A No .

2 Q And this was sometime in the spring of '85?

3 A To the best of my knowledge, yes. It may have  
4 been the early summer. Really right now I can't  
5 recall.

6 Q Had you reviewed records involving this case  
7 before meeting with Mr. Penick at that time?

8 A Yes.

9 Q What records had you reviewed?

10 A Again, since the case has been evolving, to be  
11 perfectly accurate, I can't tell you what I've reviewed  
12 at each time. But I think at that time I had reviewed  
13 basically just the hospital records of the birth of the  
14 child and I believe Southern Baptist and several  
15 subsequent hospital admissions. And I may or may not  
16 have reviewed Dr. Moorman's deposition at that time. 4  
17 don't know whether that was at that time. I assume it  
18 was.

19 Q Had you reviewed the nurse's deposition?

20 A No.

21 Q Had you been advised of the conclusions of a  
22 medical review panel at that time?

23 A Yes.

1 Q What were you told?

2 A Again, I can't remember specifically. I could  
3 ask Mr. Penick and he could tell you what he told me.

4 Q What do you recall?

5 A I recall that the medical review panel had  
6 reviewed it and found that there were problems with the  
7 cast in terms of the obstetrician involved.

8 Q What do you mean by problems with the case?

9 A Again, I don't know how the terminology was.  
10 I'm not familiar with the medical review panel function  
11 in Louisiana,

12 Q Did you understand that a panel had determined.  
13 that Dr. Moorman had deviated from the standard of  
14 care?

15 A I think they had given an opinion to that,  
16 yes.

17 Q You understood that?

18 A Yes.

19 Q Were you given information as to the basis of  
20 their opinion?

21 A No.

22 Q Did you ask for it?

23 A I've seen other review panel reports. And

1 basically there's very little basis. They either make  
2 a statement or they don't make a statement.

3 MR, KULLMAN:

4 Would you read back my question, please?

5 (REQUESTED PORTION OF RECORD READ.)

6 A No, not at that time, Generally speaking,  
7 when somebody asks for an opinion, I try to review the  
8 records unbiasedly And very objectively, And at that  
9 time I reviewed the records and made my own decision.

10 Q You made your own decision about the standard  
11 of care of Dr. Moorman?

12 A No, I didn't say that, I made my own decision  
13 in terms of causation.

14 Q Dr. Chalhub, we can take a break while you  
15 look through what you have here.

16 A No, we can go on.

17 Q I thought you wanted to look at that.

18 A No, Go ahead. We can continue.

19 Q Did you have the fetal heart monitor tracing?

20 A I think I had a portion of it at that time.

21 Q Did you ask for the whole thing?

22 A Yes,

23 Q Did you get it?



1 A I believe so.

2 Q Did you review it?

3 A Yes.

4 Q Had you reviewed it before you met with Mr.  
5 Penick?

6 A I think the portion that was sent to me in the  
7 records.

8 Q But not the whole thing?

9 A Again, I don't know, to be accurate. I've  
10 reviewed it myself since that time.

11 MR. PENICK:

12 Xncidentally -- could we go off the record  
13 just a minute?

14 MR. KULLMAN:

15 Sure.

16 (A DISCUSSION WAS HELD OFF THE  
17 RECORD.)

18 MR. KULLMAN:

19 Q The first time you spoke with Mr. Penick about  
20 this case, Dr. Chalhub, had you reviewed the records at  
21 that time?

22 A As I said, the records that I told you that I  
23 had available. And again, I can't recall exactly what

1       there was at that point in time, Except the birth  
2       records, the Baptist records that were available, And  
3       obviously those were not complete, And I believe Dr.  
4       Hoorman's deposition,

5       Q       Have you spoken with Dr. Moorman about this  
6       case?

7       A       No, I haven't,

8       Q       Other than Mr. Penick, have you spoken with  
9       anyone else about the case?

10      A       I'm sure that I have in terms of portions of  
11      the case with certain of my colleagues about the X-rays  
12      and so forth. But again, nobody in any detail about  
13      total aspects of the case.

14      Q       What colleague did you speak to about X-rays?

15      A       Well, we have a lot of colleagues. And again,  
16      there are radiologists that I may have shown the X-rays  
17      to. And, right now I can't recall who that was.

18      MR. PENICK:

19               Larry, while you're hesitating here, let me  
20      ask you a question. And this is off the record,

21                               (A DISCUSSION WAS HELD OFF THE  
22                               RECORD ,)

23      MR. PENICK:

I                    During a break there was some discussion off  
2                    the record about the records from Southern Baptist  
3                    Hospital. And the record for the baby's admission  
4                    there of 11/4/83 was produced by plaintiff's counsel.  
5                    And there was some discussion about the number of CAT  
6                    scans that had been taken of this child, We are  
7                    presently aware of A CAT scan that was taken at Baptist  
8                    Hospital during the baby's first admission there in  
9                    September of 1983. We're aware of a CAT scan that was  
10                    run on the baby at Children's Hospital in the spring of  
11                    1984 and a CAT scan that was run in Chattanooga on the  
12                    baby in September of 1985. Let me just ask Dr,  
13                    Chalhub, are you aware of any other CAT scans?

14                    THE WITNESS:

15                    No, I don't have any other CAT scans.

16                    MR. KULLMANT

17                    Q                    .The neuroradiologist you spoke to about this  
18                    case, what did you show him?

19                    A                    I showed him the CAT scan from Chattanooga,  
20                    Tennessee,

21                    Q                    .Any others?

22                    A                    No. I didn't have them at the time,

23                    Q                    Have you shown him those since?

- 1       A           No.
- 2       Q           And when did you do that?
- 3       A           Sometime after it was obtained when I came  
4       back to Mobile.
- 5       Q           Where did you show him those?
- 6       A           It had to be at one of the hospitals.   There  
7       are three neuroradiologists in town.
- 8       Q           What are their names?
- 9       A           Dr. Dempsey, Dr. Powell Williams and Dr.  
10      Hungerford.
- 11      Q           How do you spell Hungerford?
- 12      A           I don't know.
- 13      Q           Do you recall which one of those three you  
14      showed these films to?
- 15      A           Not right now, no, I don't.
- 16      Q           Would you have any records to reflect that?
- 17      A           No. This is just in passing. We exchange  
18      films all the time in cases, It was nothing formal,
- 19      Q           Have you apoken to any claims representatives  
20      of St. Paul Insurance Company with respect to this  
21      case?
- 22      A           Not to my knowledge.
- 23      Q           Have you spoken to any obstetricians about

1 this case?

2 A I'm just trying to think. No.

3 Q Have you ever asked Mr. Penick the basis of  
4 the medical review panel opinion that Dr. Moorman had  
5 been negligent?

6 A I'm sure I have.

7 Q And what were you told?

8 A You know, I just can't recall. Why don't you  
9 ask Mr. Penick?

10 Q Did you consider what he told you in  
11 formulating your opinions in this case?

12 A No. I think, as I've already stated I based  
13 my opinion on an objective and impartial review of all  
14 of the records. I'm not here to represent Mr. Moorman  
15 nor the plaintiffs. I was asked to review this and  
16 give an opinion as to causation.

17 Q Have you sought the opinion of any  
18 obstetrician or perinatologist with respect to the  
19 fetal heart monitor tracings?

20 A No. I think that there are adequate experts  
21 who have testified extensively on the fetal heart  
22 monitor.

23 Q Is that the reason you have not sought the

1 ' opinion of an obstetrician or perinatologist as to the  
2 fetal heart monitor tracings?

3 A Well, I mean, I don't know all of the reasons.  
4 It's hard to go through all that, But I mean,  
5 basically that's an area in which I am not an expert.  
6 I certainly have looked at it. I look at them all the  
7 time. And you have adequate testimony in both sides in  
8 that area. And I accept that.

9 Q Do you look at fetal heart monitor tracings  
10 all the time?

11 A Certainly. Whenever I review babies.

12 Q Why do you review them?

13 A Just so I can say that I have seen them and  
14 they're of interest to me.

15 Q Why?

16 MR. PENICK:

17 I'm not sure that's relevant to this case.

18 He's already covered that in his testimony.

19 MR. KULLMAN:

20 Q Why?

21 A Well, it's part of the record.

22 Q Is it significant?

23 A It depends on the situation,

1 Q That's why you review it?

2 A Certainly. I try to review every single piece  
3 of information of any patient I take care of.

4 Q Do you think you know when it's significant or  
5 not?

6 A I don't know how to answer that.

7 Q Well, do you think when you review a fetal  
8 heart monitor tracing that you can tell in your own  
9 mind whether you think it's significant?

10 A I usually have to rely on people who read them  
11 all the time and interpret them.

12 Q That's what you usually do?

13 A Uh-huh (positive response.)

14 Q who do you usually rely on?

15 A People that read them all the time like the  
16 obstetricians.

17 Q Who specifically do you rely on?

18 A Well, I mean, there are a lot of  
19 obstetricians.

20 Q Who?

21 A Well, I can't give you all the names of the  
22 obstetricians in Mobile.

23 Q Give me two who you have relied upon?

1       A           In terms of what situation? I mean, I don't  
2       understand. You mean in terms of the cases -- you  
3       know, I practice full time. You mean in the children I  
4       take care of or what?

5       Q           Dr. Chalhub, a minute ago you told me you  
6       review fetal heart monitor tracings all the time.

7       A           I review them, as I said, when I take care of  
8       babies and are looking at the particular child  
9       involved. And it's just like any other part of the  
10      record, the X-ray, the laboratory results, the fetal  
11      monitor, If it's available, I look at it.

12      Q           But you don't feel that you're competent to  
13      tell whether it's significant or not?

14      A           Oh, I didn't say that.

15      Q           Well, do you or don't you?

16      A           Well, it depends on the situation. In terms  
17      of giving you an expert opinion and in terms of a fetal  
18      monitor, no, I'm not an expert in fetal monitor.

19      Q           Do you always consult an obstetrician about  
20      fetal heart monitor tracings?

21      A           In terms of what situation?

22      Q           In terms of interpreting them to see if  
23      they're significant to you.



- 1 A For what situation?
- 2 Q In your treatment of children.
- 3 A I can't say that always, no.
- 4 Q Sometimes you just interpret them yourself;
- 5 right?
- 6 A Well, X look at them. Okay? And that's part
- 7 of, again? looking at any part of the record. And if I
- 8 feel that X need additional information, then I usually
- 9 ask for additional information.
- 10 Q Who have you asked?
- 11 A In terms of what? Asked about what?
- 12 Q Obstetricians About fetal heart monitor
- 13 tracings?
- 14 A I'm sure at one time or another in the past
- 15 eight years, I've probably asked every obstetrician in
- 16 this town.
- 17 Q Name me two.
- 18 A Dr. Stephens, Dr. Koch.
- 19 Q How do you spell Koch?
- 20 A K-O-C-H.
- 21 Q How do you spell Stephens?
- 22 A Just like it sounds, S-T-E-P-H-E-N-S.
- 23 Q What is your opinion in this case after

1 reviewing the fetal heart monitor tracing as to what it  
2 shows?

3 A Well, it was a difficult tracing and I didn't  
4 feel like that I could make a valid opinion on that  
5 fetal monitor. So I had to rely on the other experts.

6 Q You never formed an opinion about it?

7 A No.

8 Q Why do you say it was a difficult tracing?

9 A Well, as I told you before, I'm not an expert  
10 in interpreting fetal monitor, There stems to be a lot  
11 of difference of opinion.

12 Q Why do you say that?

13 A Well, Dr. Giles has one opinion, Dr. Morrison  
14 has another opinion.

15 Q Do you have an opinion as to which one is  
16 tight?

17 A .At least the testimony -- and again, usually  
18 when one looka at a fetal monitor -- at least I do -- I  
19 look for fetal well-being, And there's evidence of  
20 fetal well-being.

21 Q .Do you have an opinion as to which of these  
22 two doctors is right in their interpretation of the  
23 fetal heart monitor tracing?

1       A           Yes. I think that based on the whole clinical  
2 picture which is the way that one evaluates a child --  
3 you don't take one **single** piece of evidence -- but  
4 **based** on this child's presentation, his problem, his  
5 subsequent evaluation, **then** I would have to say that I  
6 believe that Dr. Morrison's interpretation is more  
7 accurate.

8       Q           Why?

9       A           What do **you** mean why? Why what?

10      Q           Why do you feel that Dr. Morrison's  
11 interpretation is more accurate?

12      A           Because it's more consistent with this child's  
13 problem,

14      Q           Why?

15      A           Why what?

16      Q           Why **is** it more consistent with the child's  
17 problem?-

18      A           The child has a problem which is related to a  
19 chronic intrauterine difficulty and **is** born with a  
20 significant neurological deficit and **is** unrelated to  
21 **any** problems in the immediate prenatal period, And  
22 **therefore**, the decelerations that **were** noted I did not  
23 think were of significance in this child's problem.

Q Did you note decelerations?

2 A As I told you, I have to rely on their  
3 interpretation.

4 Q You didn't note decelerations when you  
5 reviewed it?

6 A I didn't make a formal review,

7 MR. PENICK:

8 Larry, I think you're beating a dead horse.  
9 And I'm going to stop you shortly, I'll give you fair  
10 varning, I don't know how many more questions like  
11 this you're going to ask him. But he has indicated  
12 he's not an expert in the field of fetal monitoring.

13 MR. XULLMAN:

14 Q Isn't it true, Dr. Chalhub, that in other  
15 cases you have looked at fetal heart monitor tracings  
16 and offered the opinion that they showed signs of fetal  
17 distress?

18 A I don't know what cases you're talking about.  
19 If you'll tell me that, I'll be glad to comment on it,

20 Q I'm asking isn't it true that you have  
21 expressed that opinion in other cases?

22 A I can't answer that question unless I know  
23 what you're talking about,

1 Q If you can't answer the question, just tell me  
2 you can't answer it.

3 A I can't answer the question.

4 Q Dr. Chalhub, when you saw Ross Naquin in  
5 Chattanooga, you had certain tests performed; is that  
6 correct?

7 A That's correct.

8 Q What were those?

9 A I'll have to refer to my notes here. A CT  
10 brain scan, a chromosomal analysis, thyroid function  
11 studies and blood amino acids.

12 Q Were all those studies completed?

13 A I don't have the report on the blood amino  
14 acids, But the thyroid function studies, the  
15 chromosome analysis and the CT brain scan are  
16 completed.

17 Q ,Where were those tests completed?

18 A Excuse me?

19 Q Where were the tests done?

20 A In Chattanooga, Tennessee.

21 Q -At what facility?

22 A The Erlanger Medical Center.

23 Q Do you have the test results?

1 A Yes .

2 Q Can I see them?

3 A Sure ,

4 MR, KULLMANr

5 Let the record reflect that Dr. Chalhub has  
6 handed me a report of chromosomal analysis signed by  
7 Dr. Robert L. Summitt, M.D., and a second page which  
8 seems to be titled Erlanger Medical Center,  
9 Chattanooga, Tennessee, specimen report,

10 Q What does the second page refer to?

11 A Can I see it? This is a report of the thyroid  
12 function studies.

13 Q What does it show?

14 A I'll read you the result, It says the T3  
15 uptake is 30 percent. The FTX is 2.6. The T4 is 8.5.

16 Q Is that of any significance to you in this  
17 case? .

18 A No.

19 Q Are those normal or abnormal findings?

20 A It depends on the age and they don't have the  
21 age related, Certainly the T4 is normal and the T3  
22 uptake and the PTI with these standards are slightly  
23 out of the range of normal. But I don't think that

1       they're significant. I don't think it has anything to  
2       do with this case.

3       Q           What was the purpose of running the test?

4       A           Children that have motor and intellectual  
5       problems can sometimes be hypothyroid. And the **reason**  
6       **was** to do these studies and ~~see~~ if this child **was**  
7       significantly hypothyroid.

8       Q           Is the child hypothyroid?

9       A           NO.

10      Q           Is the child hyperthyroid?

11      A           No .

12      Q           If the child had **been** hypothyroid, what  
13      significance would that have been?

14      A           There's just a whole lot of factors. In terms  
15      of what? What do you mean what significance?

16      Q           What significance would it have been to you?

17      A           I would have to look a whole lot closer as to  
18      whether **it was** related to the other insult that the  
19      child had.

20      Q           You don't have the blood amino acid study?

21      A           They have not been ~~sent~~ to me, no.

22      Q           Do you know the results?

23      A           No.

- 1 Q They were done at the hospital, also?
- 2 A Yes, they were.
- 3 Q What about the CT scan?
- 4 A The CT ocan I have with me today.
- 5 Q Was that done at the hospital, too?
- 6 A Yes, it was.
- 7 Q Was there a report on that?
- 8 A They did not send me a report. I assume that
- 9 there is a report. I think any X-ray that's done in a
- 10 hospital has a report. So I don't know what the report
- 11 said.
- 12 Q Have you called them about the blood amino
- 13 acid study?
- 14 A No, I haven't.
- 15 Q Do you intend to?
- 16 A Yes. If I don't get it before the time this
- 17 case cones to trial.
- 18 Q What does the chromosome study indicate?
- 19 A It indicates the number and character of the
- 20 chromosomes.
- 21 Q -In this case is the chromosome study normal?
- 22 A Yes, it io.
- 23 Q Is that of significance to you in connection



1 with your opinions in this case?

2 A Well, it's of significance that they're  
3 normal.

4 Q Why is that?

5 A You can see why in it that it's of significance  
6 that they are normal?

7 Q Yes.

8 A It means that he doesn't have a recognizable  
9 chromosomal abnormality.

10 Q You saw Mrs. Naquin on September 6th, 1985; is  
11 that correct?

12 A That's correct.

13 Q And how long were you with the child that day?

14 A Approximately an hour to an hour and a half,  
15 as I recall.

16 Q Dr. Combs, referring to your report of  
17 September 18th, 1985, to Mr. Penick, in the second  
18 paragraph of it, is that information information that  
19 you yourself observed or is that information that was  
20 provided to you by the parents?

21 A Could I see what you're reading from and make  
22 sure it's the same thing I'm looking at?

23 Q Yes.

1       A           I'm reading from a report that I sent to Mr,  
2       Penick on September the 18th, 1985. There is a cover  
3       letter and then there is a hintory and physical.  
4       Q           Do you have a copy of that before you, Doctor?  
5       A           Yeah, I just want to make sure it's the same  
6       one .  
7       Q           How many did you send Mr. Penick?  
8       A           I just aent him thin one.  
9       Q           Did you ever change it?  
10      A           No.  
11      Q           Can I keep my copy, then?  
12      A           Okay. I just wanted to make sure it's the  
13      same one.  
14      Q           Mow many did you send him?  
15      A           I just sent him this one. But, you know, I've  
16      had experience where I didn't get the same report  
17      handed me before. So I just would like to make sure  
18      it's the same one, Is there any problem with that?  
19      Q           I just need to follow you,  
20      A           Okay. That's all.  
21      Q           Do you have the report before you?  
22      A           Okay. What is your question?  
23      Q           The second paragraph, is the information in

2 the second paragraph information that you obtained from  
the parents?

3 A Yea.

4 Q Is it information that you confirmed by your  
5 examination?

6 A I'll have to read it and see. Well, the first  
7 sentence I could not confirm. That's a historical  
8 statement. The second sentence -- do you want me to go  
9 through it?

10 Q Please.

11 A Yes, I do confirm that. The third sentence I  
12 confirmed. The fourth sentence, I believe that he did  
13 recognize his mother and father. The fifth sentence I  
14 did confirm, The sixth sentence I did confirm. I  
15 don't know whether I offered him a bottle. That was a  
16 historical statement in the following sentence. He  
17 certainly did not suck or swallow. He did drool  
18 excessively. And I don't know whether his first tooth  
19 was about to be present or not. And his only other  
20 medication included multivitamins was what she told me.

21 Q So you did confirm that he would not follow  
22 commands?

23 A Yes.

1 Q And you confirmed that he could not sit?

2 A Yes ,

3 Q Did the child have a tracheostomy when you  
4 examined him?

5 A Yes, he did.

6 Q Did he have a gastrostomy when you examined  
7 him?

8 A Yes, he did.

9 Q With respect to the other history provided you  
10 by the parents, Dr. Chalhub, do you have any reason to  
11 believe that any of it is inaccurate or untrue?

12 A Do you have a specific statement in mind?

13 Q NO.

14 A No. I think that these were very sincere  
15 caring individuals who gave me answers to the questions  
16 that I asked.

17 Q You found that the baby's head was 47  
18 centimeters in diameter?

19 A Yes.

20 Q I said diameter. Is that diameter or  
21 circumference?

22 A Circumference, Excuse me.

23 Q Was that of significance to you?

1       A           I think it was slightly small for the age. I  
2       don't have my growth chart with me right now to go back  
3       and be absolutely certain,

4       Q           Did you plot it?

5       A           I **did**, But I don't have that with me right  
6       now.

7       Q           Is there anything **else you've** done with  
8       respect to this case that you don't have with you now?

9       A           No.

10      Q           Just the growth plotting **curve**?

11      A           That's all. I just **looked** on the head  
12      circumference sheet. We usually do that.

13      Q           **Was** the head circumference of significance to  
14      you in formulating your opinions in this case?

15      A           No, not this one.

16      Q           **Were any** other head circumferences significant  
17      to you?

18      A           The head **circumference** at birth was  
19      **significant**.

20      Q           In what sense?

21      A           **Well**, it was 31.5 centimeters which **was**  
22      appropriate for that gestational **age**.

23      Q           It was not abnormal?

1           A           No.

2           Q           It was normal?

3           A           Well, it was normal for a child of that  
4           gestation,

5           Q           It was appropriate for the gestational age?

6           A           Yes ,

7           Q           What about his length? Was that significant  
8           to you?

9           MR. PENICK:

10                   At the time of his examination?

11           MR. KULLMAN:

12                   Yes .

13           A           . Again, I don't have that where it plots that  
14           en the chart. But in terms of the causation and  
15           problem, no, it was not significant.

16           Q           You say in your report that the child's skull.  
17           was enlarged in the anterior/posterior diameter. Was  
18           that significant to you?

19           A           It's commonly seen in prematory infants.  
20           That's the observation,

21           Q           I'm sorry. What kind of infants?

22           A           Prematory infants.

23           Q           What is that?

1 A What's a prematory infant?

2 MR. PENICK:

3 Premature.

4 A It's the same thing **as** a premature.

5 HR. KULLMAN:

6 Q Was it significant to you in formulating your  
7 opinions on causation in this case?

8 A It confirmed that the child **had** an exam  
9 consistent with a prematory child.

10 Q You found prominent veins **over the** temporal  
11 area **and** the parietal area, **is** that correct?

12 A That's correct.

13 Q Was that significant to you in formulating  
14 your opinions?

15 A Let me just clarify it. Obviously we're going  
16 to **go** through this **all** the way. **Are you** saying is that  
17 significant in relation to my opinions in terms of  
18 causation at birth? **is** that what you're referring to  
19 so **we** don't have to do it each time?

20 Q Yes, air.

21 A NO.

22 Q What do you believe those findings **are** due to?

23 A **Well**, they can **be** due to several things.

1 Usually in fair-skinned, fair-haired babies, oftentimes  
2 you see prominent veins, Sometimes they can be a  
3 reflection of increased intracranial pressure if there  
4 are other symptoms that go along with it. And  
5 sometimes they can be seen with certain systemic  
6 diseases such as renal disease and liver disease.

7 Q In this case, do you believe that it is due to  
8 any systemic disease?

9 A No. As I told you, I didn't think it was  
10 significant in this particular child's problem.

11 Q In this case do you believe it is due to  
12 intracranial pressure?

13 A I think I've answered the question, No.

14 Q I'm sorry, Doctor. You'll have to bear with  
15 me because I'm not a doctor.

16 A I understand that, But I've already said that  
17 I don't think it's significant.

18 Q What about your findings with respect to the  
19 hair? What did they indicate?

20 A The hair was long, slightly thin and sparse in  
21 certain areas, which is often seen in babies that are  
22 delayed.

23 Q What is often seen in babies that are delayed?



1           A           I don't know how I can say it any other way.  
2           It's often seen in babies that are delayed,

3           Q           Let me try to be more precise, Do babies that  
4           are delayed often have long hair?

5           A           Yes.

6           Q           As opposed to babies that are not delayed?

7           A           Well, it's also dependent on whether it's cut  
8           or not. But this was sparse and thin, And usually  
9           babies that are delayed lie on one side, the side of  
10          the head or back of the head, And oftentimes it's  
11          sparse or thin,

12          Q           Is that the reason you feel that this baby's  
13          hair is sparse and thin?

14          A           Yes ,

15          Q           What does that indicate?

16          A           Well, it indicates just again what I said;  
17          that it's just a finding that is Been in infants that  
18          have delayed motor and intellectual development.

19          Q           Why does that occur?

20          A           Because they lie on the back of their head or  
21          the side-of their head and they don t move very much.

22          Q           You say there was mild to moderate frontal  
23          bossing, What does that mean?

1       A           Frontal bossing **is** an increase in the size of  
2       the frontal areas **of** the **skull**.

3       Q           **Is** that something **you** measured?

4       A           That's an observation.

5       Q           **Is** that of significance to you in this **case**?

6       A           **It's** often seen in ptematory infants.

7       Q           **Is it of** significance to you in this case?

8       A           Again, only to confirm the fact that the child  
9       **was** premature.

10      Q           What **is** that due to?

11      A           Usually **it's** due to the **anterior/posterior**  
12      diameter of the head being elongated in prematory  
13      infants. **It's** often called scaphocephaly. And again,  
14      it's basfcally **due** to positioning where the child **will**  
15      lie on **one** side more than the other.

16      Q           That's after birth?

17      A           **.Yes .**

18      Q           **Is** that **also** consistent with your findings of  
19      significant motor and intellectual delay?

20      A           **No. As I** told **you**, **it was** a finding that's  
21      seen in prenataly infants. **You** can have a **normal**  
22      development and still have frontal bossing and  
23      scaphocephaly.

1       **MR. KULLMAN:**

2               **Off** the record.

3                       **(A DISCUSSION WAS HELD OFF THE**  
4                       **RECORD. )**

5                       **(LUNCH RECESS.)**

6       **MR, XULLMAN:**

7       Q           Dr. Chalhub, we're talking about a report that  
8       you sent Mr. Penick on September 18th, 1985. Was this  
9       the **first** written report you **had** given Mr. Penick?

10      A           Concerning the physical examination?

11      Q           Concerning anything.

12      A           Well, I don't know the date. I think you ha e  
13      some other reports. I don't know the date of the other  
14      ones. But this is one of them,

15      Q           Before sending Mr. Penick the report of your  
16      physical examination, **had** you sent him any other  
17      written reports?

18      A           **As** I said, I don't have those with me because  
19      I think I rent him the only copies. And I think **you**  
20      have them in your file, But **if** the date is **before** or  
21      after, I-just can't remember,

22      Q           What other written reports have you given Mr.  
23      Pcnick?

1       A           I think there **was a** report on what **my** thoughts  
2       were concerning the **case**.

3       Q           On causation?

4       A           Uh-huh (**positive response**,)

5       Q           So there **would be** two reports?

6       A           That's correct.

7       Q           Other than those **two** reports, **do** you recall  
8       sending Mr. Penick anything **else in** writing **about** this  
9       case?

10      A           I don't recall.

11      Q           Did you write anyone **else** about this **case**?

12      A           No.

13      Q           Going back to your report of September 18th or  
14      rather that **is** attached to **your** letter **of** September  
15      18th, you say that the fontanelle were closed. What  
16      **does** that mean?

17      A           The fontanelles, That should **be** plural. The  
18      fontanelle is the opening over the top and the **back** of  
19      the head. And there's an anterior and posterior  
20      fontanelle. And **usually** in infants, the **skull** closes  
21      between 12 and 18 months of age.

22      Q           So **is** this a normal finding?

23      A           Yes.

- 1 Q Did you feel it was significant in formulating  
2 your opinions?
- 3 A No. It's just a description.
- 4 Q You said you did not feel any particular  
5 ridges to the sutures). What does that mean?
- 6 A It means what it says.
- 7 Q What do the sutures refer to?
- 8 A It's the way the skull is put together, in  
9 pieces .
- 10 Q And was that a normal or an abnormal finding?
- 11 A It depends on the situation. Sometimes it may  
12 be abnormal, Sometimes it may be normal.
- 13 Q In this case did you feel it was normal or  
14 abnormal.7
- 15 A I did not attach any significance to it.
- 16 Q Did you examine the tracheostomy?
- 17 A Just to look at it.
- 18 Q Did you examine the gastrostomy?
- 19 A The what, now?
- 20 Q The gastrostomy?
- 21 A Just to look at it.
- 22 Q Did you examine the child's chest?
- 23 A Yes.

1 Q What did you do?

2 A I auscultated it and percussed it.

3 Q What did you find?

4 A So far as I could tell, it was clear,

5 Q You say he had a midline scar over the

6 abdomen. What did you think that was due to?

7 A I don't recall now.

8 Q Was that related to the gastrostomy?

9 A I believe, Right now I've just drawn a blank

10 in terms of what that might be related to. I can't

11 remember another operation.

12 Q Did you attach any significance to it?

13 A No.

14 Q You say he had a dark spot over one of his

15 buttocks?

16 A No, I didn't say that.

17 Q Cafe-au-lait spot?

18 A Yes, that's what I said,

19 Q What does that mean?

20 A Again, that means that there's a cafe-au-lait

21 spot over his buttocks.

22 Q What does cafe-au-lait mean?

23 A It means coffee with milk.

1 Q Did he have coffee on his buttocks?

2 A He had a cafe-au-lait spot, It's a

3 descriptive term in medicine.

4 Q Did he have coffee on his buttocks?

5 A No.

6 Q He didn't have coffee with milk on his

7 buttocks, either, did he?

8 A NO.

9 Q What is the term meant to describe?

10 A It's a pigmented area usually one to three

11 centimeters which may be seen in isolation or in

12 association with other disease states,

13 Q Did you feel it was significant in this case?

14 A Significant in terms of what?

15 Q In what we've been speaking about.

16 A You mean in terms of the causation?

17 Q .Yes.

18 A No.

19 Q Did you think it was indicative of any disease

20 process?

21 A ..Not in this constellation of findings, no.

22 Q Did his eyes appear normal?

23 A As I said, they showed no external

1 abnormalities.

2 Q Did you do an eye examination?

3 A I looked at his eyes, looked at his discs and  
4 looked at the extraocular muscle function.

5 Q Was the external appearance of his eyes of  
6 significance to you in formulating your opinion in  
7 this case?

8 A It is an observation. They looked to be normal  
9 to me.

10 Q What about the shape of the child's mouth?

11 A It was an almond shaped.

12 Q What is the significance of that to you?

13 A It can be seen in certain chromosomal  
14 abnormalities or dysmorphic syndromes.

15 Q Do you feel in this child it is reflective of  
16 a chromosomal abnormality?

17 A No. We know that the chromosomes are normal  
18 But at that time I did not know that.

19 Q Do you attach any significance to the shape of  
20 the mouth at this time?

21 A Well, other than it's almond shaped. It can  
22 be seen in certain dysmorphic syndromes.

23 Q Do you believe this child has any dysmorphic



1 syndrome?

2 A Well, he has some dysmorphic features. But  
3 again I've stated that's not my opinion as to what the  
4 child's problem is.

5 Q You don't feel this child has a dysmorphic  
6 syndrome?

7 A I think he has dysmorphic features.

8 Q My question is do you feel he has a dysmorphic  
9 syndrome?

10 A Not that I can recognize.

11 Q What about the condition of the child's gums?

12 A They were large and puffy.

13 Q What do you think that is due to in this case?

14 A I don't know.

15 Q Is that of significance to you in formulating  
16 your opinions?

17 A No.

18 Q What about the size of the child's mandible?

19 A It's very small in comparison to the head.

20 How at that time I felt that it could possibly again be  
21 another dysmorphic feature associated with the mouth.

22 But as we know, the chromosomes are normal and I don't  
23 think that there are enough total dysmorphic features

1 to put it to that **area**. But it may again also  
2 contribute to the child's difficulty with opening the  
3 mouth, ~~swallowing~~ and sucking.

4 Q Do you believe **it's** possibly **a sign** of  
5 atrophy?

6 A **No.**

7 Q Why not?

8 A Well, your **jaw** doesn't atrophy.

9 Q You **say** there are no **epicanthal** folds. What  
10 are those?

11 A Those are folds in the **inner** aspects of the  
12 **eye**.

13 Q Is that **a** normal or abnormal **finding**?

14 A Well, it can be either,

15 Q In this case?

16 A There weren't any so **there's** really no  
17 relationship.

18 Q Well, the fact that there weren't any, **do you**  
19 consider that an abnormal or a normal finding?

20 A I consider that of no significance.

21 Q ..What were your findings with respect to his  
22 extremities?

23 A They did not **have** any abnormal **creases**. There

1 was no syndactyly.

2 Q What does that mean?

3 A Fusion of toes or fingers,

4 Q His genitalia were normal?

5 A As far as I could tell, yes.

6 Q When you did your eye examination, you found  
7 that his discs were slightly pale?

8 A Yes ,

3 Q What does that mean?

10 A That means they're slightly pale.

11 Q Is that significant?

12 A Well, it can be seen with optic atrophy. It  
13 can be seen with impairment of vision, But they were  
14 not small and atrophic.

15 Q What are the discs?

16 A Of the optic nerves.

17 Q -What do you think the cause of the atrophy --  
18 of the slightly pale color of the optic nerve is in  
19 this case?

20 A I didn't understand that. You said atrophy.  
21 There is no atrophy.

22 Q I'm sorry. Let me restate the question. What  
23 do you feel that the cause of the slightly pale color

1 of the optic nerve is in this case?

2 A I don't know, Sometimes just in blond  
3 fair-haired babies -- or fair-skinned. Excuse me.  
4 Completed babies, the disc can be slightly pale.

5 Q What are the possible causes of that?

6 A As I said, you can often see it in optic  
7 atrophy, multiple sclerosis, certain hereditary  
8 diseases.

9 Q Can lack of oxygen cause optic atrophy?

10 A Wait a minute. Is that totally unrelated to  
11 this case? Because this child doesn't have optic  
12 atrophy.

13 Q I understand that.

14 A Yes.

15 Q Can lack of oxygen cause paleness of the optic  
16 nerve?

17 A Hereagain, we're talking about hypothetically  
18 unrelated to this case in, what, a newborn or a 20-week  
19 old or what?

20 Q Newborn.

21 A Yes.

22 Q You say there was no retinal pigmentation. Is  
23 that normal?

1       A           Again, it depends on the age, the gestation,  
2       the race.

3       Q           In this case.

4       A           Yeah. I think that the fact there was no  
5       retinal pigmentation was significant.

6       Q           Why?

7       A           Well, that it was a normal finding.

8       Q           Does that indicate any impairment of the  
9       child's vision?

10      A           No.

11      Q           When you say that the child's face  
12      demonstrated bilateral decreased facial movement, what  
13      do you mean?

14      A           That it was weak.

15      Q           How do you determine that?

16      A           Well, if you cannot smile fully or when you  
17      cry there's not enough facial expression and you don't  
18      close your eyes, well, there's facial weakness.

19      Q           Did you think that was significant in this  
20      case?

21      A           Yes, I did,

22      Q           Why?

23      A           Because it indicated that there was

1 involvement of the seventh cranial nerve bilaterally.

2 Q What about the child's blinking? Was that  
3 significant?

4 A Yes.

5 Q Why?

6 A Well, it also indicated that there was facial  
7 weakness in the orbicularis oculi.

8 Q Is that the seventh cranial nerve?

9 A No. That's a muscle.

10 Q What did you think the weakness of that muscle  
11 indicated?

12 A That it was an impairment of the seventh  
13 cranial nerve.

14 Q Were there any other significant findings with  
15 respect to the child's face that you can recall?

16 A He drooled excessively.

17 Q What is that due to?

18 A It usually means that they can't handle their  
19 secretions and have weakness of the cranial nerve five,  
20 or the masseters.

21 Q What about the child's pallet?

22 A It did not elapse at all.

23 Q What does that mean?

1 A It means he's got profound involvement of  
2 cranial nerves nine and ten.

3 Q What does it mean to cleave a pallet?

4 A It means when you talk or swallow or do  
5 anything, your pallet elevates automatically. It's due  
6 to cranial nerve function related to the vagus nerve.  
7 And when it doesn't, it's quite abnormal.

8 Q What is a gag response?

9 A Again, it's a sensory response to the  
10 posterior portion of the throat. In other words, when  
11 you stick your finger in your mouth, people usually  
12 gag. When that's not present, then there's an  
13 impairment in sensation.

14 Q Why do you think this child did not have a gag  
15 response?

16 A Because he's had significant lower brain stem  
17 involvement.

18 Q What about the child's tongue? What did you  
19 note about it?

20 A He could not get him to protrude his tongue.  
21 And there more than likely is weakness in his tongue,  
22 also.

23 Q What is that due to?

1       A           It's due to involvement of cranial nerve  
2       twelve .

3       Q           You say he did not have facial sensation to  
4       pinprick?

5       A           No. I said he ~~did~~ have facial sensation to  
6       pinprick,

7       Q           What does that indicate?

8       A           That indicates that the sensory portion of the  
9       trigeminal nerve is intact.

10      Q           What about the motor portion?

11      A           I already said that's weak.

12      Q           Did you examine the child's motor function?

13      A           Yen, I did.

14      Q           How?

15      A           By observing him, by stimulating him and then  
16      assessing his quality of his movements.

17      Q           -And what did you determine?

18      A           That he had a spastic quadriparesis, slightly  
19      greater on the right than the left.

20      Q           What is spastic quadriparesis mean?

21      A           ..It means pretty much what it says. You have  
22      spasticity and paresis in *four* extremities.

23      Q           What does paresis mean?



1

A Weakness.

2

Q How would you rate the weakness?

3

A It's difficult at times in infants to do that.

4

But on a scale of 1 to 10, 10 being -- I'm sorry. On a

5

scale of 1 to 5, 5 being normal, him would be a 3.

6

Q Do you think that's going to be a permanent condition?

8

A Yes, I do.

9

Q What were your findings with respect to muscle tone?

10

11

A He had increased tone in his lower extremities as compared to the upper extremities.

12

13

Q Was that significant to you?

14

A It went along with the involvement of the brain stem and the quadripareisis. It was consistent.

15

16

Q What was the quadripareisis due to?

17

A In my feeling, it's due to brain stem involvement.

18

19

Q What are the other possible causes?

20

A Well, you have to decide in evaluating a patient as to where something is located within the nervous system, whether it's located at the muscle level, the peripheral nerve, the spinal cord, or brain

23

1 stem, the upper pathways in terms of cerebral cortex,

2 Q The damage to the upper cortex could cause  
3 quadriparesis?

4 A Yes ,

5 Q Were the child's reflexes normal or abnormal?

6 A They were probably normal. I mean, they were  
7 normal ,

8 Q Is that significant?

9 A I would have expected them to be a little bit  
10 brisker in a child with a spastic quadriparesis. But I  
11 think because the child had significant brain stem  
12 involvement and possibly some cerebellar involvement,  
13 that that probably is the reason that they were 2 plus  
14 as opposed to 3 or 4 plus. And you know, since I feel  
15 strongly that this child has profound lower brain stem  
16 and midbrain stem involvement, I think it's consistent.

17 Q What are the child's plantar responses?

18 A That's a response which is elicited by  
19 stroking the lateral aspect of the foot and then  
20 stroking laterally to medially. And an abnormal  
21 response is when the large toe is in extensor.

22 Q How did this child respond?

23 A I couldn't tell. It was difficult to evaluate

1       due to withdrawal.

2       Q           What **does** that mean?

3       A           That means when I stroked the bottom **of** the

4       foot, he didn't like **it**.

5       Q           So you couldn't tell **if he** had a normal or

6       abnormal **response**?

7       A           Right. One would expect with a spastic

8       quadriparetio **to be** in extensor, But sometimes due to

9       the withdrawal **of** individuals, **it's** just difficult to

10      **be** certain, So rather than **give** an **unreliable**

11      response, you state that you cannot adequately evaluate

12      **it** at that time. It could **be** done at another time.

13      Q           You say **he** had definite head lag when upright?

14      A           Yes.

15      Q           What does that **mean**?

16      A           It means that his neck muscles are weak.

17      Q           What is the significance **of** that?

18      A           That the neck muscles **are** weak.

19      Q           What do you think that **is** due to?

20      A           That means that he **has** had a central nervous

21      system insult,

22      Q           Where?

23      A           Well, going along **with** the rest of him

1 findings, probably in the brain stem and to a lesser  
2 extent the cortex,

3 Q So you think he has central nervous system  
4 damage to both the brain stem and the cortex?

5 A Yes. But to a lesser extent the cortex  
6 profoundly involving the lower brain stem,

7 Q Do you think the weakness of the neck muscles  
8 is a permanent thing?

9 A Yes,

10 Q What about this scissoring you mentioned,  
11 Doctor? What does that mean?

12 A That's a sign of spasticity,

13 Q That's an abnormal finding?

14 A Yes, it is.

15 Q And what is that due to?

16 A It's due to involvement of the upper motor  
17 neuron pathways.

18 Q What are the upper motor neuron pathways?

19 A Anything above the anterior horn cell to the  
20 brain.

21 Q .-Could the child sit unassisted?

22 A I said he couldn't sit unassisted.

23 Q Is that normal or abnormal for that age?

- 1       A           I think that's abnormal,
- 2       Q           What is that due to?
- 3       A           It's due to motor impairment.
- 4       Q           Which **is due** to what?
- 5       A           Well, which is **due** to the insult this child  
6       received in utero.
- 7       Q           This **is due** to central nervous system damage?
- 8       A           **Yes.**
- 9       Q           Where?
- 10      A           Well, again, it's difficult to be absolutely  
11      100 percent certain. But based on his other physical  
12      findings, with the profound involvement of the lower  
13      cranial nerves, that that's most likely the place.
- 14      Q           It could **be also** the result of damage to the  
15      **upper cortex**
- 16      A           **Yes.** But that doesn't **go** along with the rest:  
17      of his findings.
- 18      Q           You've said you think there's damage both to  
19      the upper cortex and the brain stem'?
- 20      A           I **said** predominately and profoundly the lower  
21      brain **stem**, To a **lesser** extent the cortex.
- 22      Q           The damage to the cortex could account for  
23      this?

1 A Not solely, no. Not unless it was profound,

2 Q What about the fact that the child could roll  
3 from side to side?

4 A He could roll from side to side,

5 Q Is that normal or abnormal?

6 A I think it's normal,

7 Q What did that indicate to you?

8 A That he could roll from side to aide.

9 Q Was that significant?

10 h No. It just was another observation that you  
11 put together with everything else, You can't take all  
12 of these things in isolation,

13 Q Do you have an opinion as to the child's  
14 vision?

15 A Hy opinion is as'stated, He fixed and would  
16 infrequently follow.

17 Q .What does that mean?

18 A Which means that he has some vision, the  
19 quality of which I cannot assess.

20 Q Do you question the quality of the child's  
21 vision?..

22 A I don't understand the question,

23 Q Do you think this child has normal vision?

1 A No, I don't think he has normal vision.

2 Q And what is the basis of that opinion?

3 A Well, that **he** did not follow regularly, But  
4 that **could** also be due to intellectual impairment and  
5 inability to attend **to** certain tasks. But he clearly  
6 sees,

7 Q Is ~~it~~ your **opinion**, Dr. Chalhub, that **this**  
8 child is intellectually and motor delayed; **is** that  
9 correct?

10 A **Yes**; that's correct.

11 Q Would you characterize this child's delay as  
12 profound?

13 A I'd characterize him as functioning below his  
14 stated age,

15 Q Do you think it's severe or profound delay?

16 A **Severe**,

17 Q Both intellectually and motor?

18 A I **really** can't tell totally intellectually.  
19 Because I did not really do a Denver Developmental or  
20 some other type of more informative examination of **his**  
21 intellectual function, But clearly he has not reached  
22 his milestones that a two-year-old child should do.  
23 But **again**, he has a tracheostomy and a gastrostomy. So

1 all of those factors have to go in to evaluate it. I  
2 think the best thing that could be said is that one  
3 needs to wait and see what development occurs over the  
4 next several years to speak more accurately about  
5 intellectual development. There's no question that  
6 he's severely involved in terms of his motor  
7 development.

8 Q Do you think that involvement -- that is, his  
9 severe motor involvement -- is permanent?

10 A Yes, I do.

11 Q Do you think that the intellectual involvement  
12 is permanent?

13 A As soon as we can quantitate it. He is going  
14 to have a certain amount of intellectual impairment,  
15 yes.

16 (A DISCUSSION WAS HELD OFF THE  
17 RECORD.)

18 MR. KULLMAN:

19 Q Do you feel that this child is mentally  
20 retarded, Dr. Chalhub?

21 A He's delayed intellectually for his  
22 chronological age. I don't like to use the word  
23 'mentally retarded' until I have some objective



1 psychometric studies to base that on normal standards.

2

3 mentally retarded?

4 A I **think** he **is** going to have ~~some~~ mental

5

6

7

8

9

10

11

12

13 Q Let's talk about that,

14 A I would not expect him to survive past five to

15

16 Q Why is that?

17 A That's just based upon a study to be published

18 by the HIE on children with trachs and profound motor

19

20

21 will **ever** be gainfully employed?

22 A No, I don't.

23 Q Or will have a normal **life** ever?

1 A That's true,

2 Q What's going to kill this child?

3 A Probably an infection.

4 Q Is that a consequence of his brain injury?

5 A No.

6 Q Is the fact that he's going to not be able to  
7 survive a brain injury (sic) going to be a result of  
8 his brain injury?

9 A I'm sorry. That question didn't make sense.

10 Q Let me ask you this: Do you think the  
11 reduction in his life expectancy is due to his brain  
12 injury?

13 A Qh, yes. It's due to his lower cranial nerve  
14 involvement and his inability to suck, swallow and his  
15 decreased motor function,

16 Q What kind of care will this child require?

17 A In terms of what aspects, do you mean?

18 Q Well, if you were treating this child, Doctor,  
19 what would you recommend in terms of his supervision  
3 and care?

MR. PENXCX:

22 You mean for right now?

23 MR. KULLMAN:

1 Right.

2 A Just really pretty much what he's getting.  
3 He's got very sensitive concerned parents that are  
4 doing a good job in taking care of him.

5 Q Would you recommend nursing assistance for the  
6 family?

7 A I think I asked her. She thought that she was  
8 able to care for his needs at the present time.  
9 They're pretty adept at doing all the things that  
10 anybody would do.

11 Q Would you recommend that for this family?

12 A No.

13 Q How often should this child be seen by a  
14 doctor?

15 A What type of doctor?

16 Q Any doctor.

17 A Well, the child should have routine pediatric  
18 Care. If the child has infections, then those should  
19 be treated appropriately. If he has other problems  
20 related to the trach or the gastrostomy, then those  
21 should be seen. I don't think you can put a number of  
22 visits on it. It will depend on how the child is  
23 doing, how well he's cared for.

1 Q What about special therapy?

2 A I would think that based on this child's  
3 involvement, that the family **could do** range of motion  
4 exercises and physical thsrapy **as they are doing.**

5 Q You wouldn't recommend other special therapy  
6 by specialists?

7 A On a reasonable basis, Perhaps once a month  
8 to assess what kind of development he's making and the  
9 progress and that the parents **arc** doing everything that  
10 they've **been** instructed.

11 Q What kind of person would you recommend **seeing**  
12 him on a once-a-month basis?

13 A A physical therapist.

14 Q Any other kind of therapist?

15 A I think that **has to be** dictated on the  
16 progress and the things that develop.

17 Q ~~.Is~~ spastic quadriparesis a type of cerebral  
18 palsy?

19 A No.

20 Q Does this child have cerebral palsy?

21 A .I don't use the term "cerebral palsy."

22 Q As the term is normally used, does this child  
23 have cerebral palsy?

1           A           We don't use it normally, so I can't answer  
2           that question, If you wanted to ask me what the  
3           definition of cerebral palsy is, then I would be glad  
4           to do that.

5           Q           You don't think the child has cerebral palsy?

6           A           No, I didn't say that,

7           Q           What did you say?

8           A           I said I don't use the term 'cerebral palsy.'  
9           It's an archaic outdated term.

10          Q           Are you familiar with an NIH study on prenatal  
11          and perinatal factors in brain damage?

12          MR. PENICK:

13                      Which one specifically?

14          MR. KULLMANT

15          Q           This one (indicating)?

16          A           Yes, I am.

17          Q           Have you read it?

18          A           Yes.

19          Q           When was it published?

20          A           I'll have to look. Just recently in the  
21          spring, I believe, of '85.

22          Q           Is that a fairly current study?

23          A           It's a study that's been, as I said, in the

1       spring of '85.

2       Q           Does that study use the term "cerebral palsy"?

3       A           **Yes.**

4       Q           Dr. Chalhub, did you review **the** hospital  
5       record with respect **to the** birth of **this** child?

6       A           **Yes, I did.**

7       Q           And what **is** your understanding of the child's  
8       gestational **age** at the time of birth?

9       A           **It was** approximately **35 weeks.**

10      Q           What **is** your understanding with respect to the  
11      child's sire in relationship to his gestational age?

12      A           That **it was** appropriate **for** gestational **age.**

13      Q           What **is your** understanding about the child's  
14      Apgar scores at birth?

15      A           You mean what **were** they?

16      Q           **Yes, sir.**

17      A           **2** at one minute and **4** at five minutes.

18      **MR. PENICK:**

19                   Doctor, let **me** interject here that you **are**  
20      free **to** look at any **of** the records **if** you need **to.**

21      **MR. KULLMAN:**

22      Q           With respect to the first score, what did you  
23      understand that **it** showed in this child or reflected?

1 A I don't understand what you mean.

2 Q Well, what is a normal Apgar?

3 A An Apgar that's considered to have no  
4 prognostic significance in terms of abnormalities is an  
5 Apgar 7 to 10.

6 Q What's the highest Apgar?

7 A 10.

8 Q What are we ranking here or grading?

9 A There are five things that individuals look at  
10 that was developed by Virginia Apgar in trying to  
11 assess a newborn's well-being.

12 Q What are those five things?

13 MR. PENICX:

14 Let's go off the record for just a minute,

15 (A DISCUSSION WAS HELD OFF THE  
16 RECORD. )

17 (REQUESTED PORTION OF RECORD READ,)

18 A Respiratory rate, heart rate, reflex  
19 irritability, tone and color.

20 MR. KULLMAN:

21 Q Do you know what the two points given to this  
22 child indicated?

23 A If you could find it for me in the record -- I

1 can't remember exactly what they took off the points  
2 for.

3 Q I can't find it **in** the record,

4 A We'll **have** to find **it**. Because I can't  
5 remember exactly what they **vere**.

6 Q Is that significant **in** formulating your  
7 opinions?

8 **MR. PENICK:**

9 What is that?

10 A **You mean** is the Apgar of **2** significant?

11 **MR. RULLMAN:**

12 Q Yes,

13 A Yes, it **is** significant.

14 Q **Is** it significant in formulating your opinions  
15 what the scores **were** given for?

16 A In certain situations. In this case, I **mean**  
17 obviously the **baby is** depressed at birth with low  
18 Apgars. I **mean**, that's the significance.

19 Q Do you know if the child was given any points  
20 for cardiac function?

21 A . Again, if **we** could just find it, I'll be **glad**  
22 **to** look at **it**. I just can't recall the subscores  
23 because I don't have that **in** front of me. Let me **see**



1 if we can find it so we won't be guessing here.

2 Okay. Here it is right here. No, That's the  
3 anesthetic chart. I'm sorry.

4 Well, I can't seem to find it.

5 Q Did you make an assumption as to what the 2  
6 was given for in this case?

9 A Well, I can't find it right now. And it may  
8 not even be there. But I mean, the Apgar of 2 fa a  
9 very low Apgar. There's no question about that.

10 Q Did you assume in formulating your opinions  
11 the child had a heart rate at birth?

12 A Yes.

13 Q Did you assume that the two points were given  
14 for heart rate?

15 A I have to go back and look at it and see. I  
16 just can't recall at this point.

17 Q -I understand you can't recall. But is that an  
18 assumption you made?

19 A I'd like to see it, Right now I can't -- my  
20 recollection is that the Apgars were low. They were  
21 significant that the child was depressed at birth. And  
22 I don't have any argument with that.

23 Q Did you assume that the two points were given

1 for cardiac function?

2 A No, I can't remember now without seeing it  
3 what that was.

4 Q So **if the** cardiac function was zero at birth,  
5 that wouldn't alter your opinions?

6 A No, The Apgar **was** extremely low and  
7 consistent with a ~~significantly~~ depressed baby.

8 Q And ~~if~~ the heart rate **were** 1 at birth, that  
9 would not alter **your** opinions?

10 A No.

11 Q And ~~if~~ the heart rate were 2, that would not  
12 alter your opinions?

A No.

14 Q Dr. Chalhub, I assume you **also** do not **know**  
15 what the score of 4 ~~was~~ given for at five minutes?

16 A You mean what the Subtotals were?

17 Q **.Yes.**

18 A No, not right now I can't. If you could just  
19 provide it for me, I'd be glad to comment on it.

20 Q Would **it** alter your opinions in any way **if** the  
21 child's cardiac function was 2 At five minutes?

22 A It says here that the heart rate at the time  
23 of intubation **was** 30 to 40. No, it wouldn't alter my

1 opinion,  
2 Q You **assume** that the heart rate **was** not normal  
3 at five minutes?  
4 A I don't know **what's** normal,  
5 Q What **is** normal for **an** infant?  
6 A You're the one asking the question. What are  
7 you asking me **is** normal?  
8 Q At **five** minutes at birth, what should a normal  
9 child's heart rate be?  
10 A It depends on the situation the child **is** in.  
11 Q If the child **is** healthy.  
12 A It can range anywhere from 100 to 200.  
13 Q Is 30 normal?  
14 A No, it's not normal.  
15 Q Is that a significant bradycardia?  
16 A Yes, it **is**.  
17 Q Is it a severe bradycardia?  
18 A Again, what **do** you mean by significant and  
19 severe? In terms of what situation?  
20 Q In terms of the child's **life**.  
21 A I don't understand that question.  
22 Q Well, **is** that bradycardia at the time of  
23 intubation life threatening?

1       A       Well, if it persists beyond an extended period  
2       of time, then it certainly can be, yes.

3       Q       An extended period of time being what?

4       A       I don't know, It depends on a number of  
5       factors,

6       Q       It can range from what to what?

7       A       What can range from what to what?

8       Q       The bradycardia before it's life threatening.

9       A       Well, I mean zero is life threatening. But  
10      again, it depends on whether the baby is oxygenated at  
11      the time it has the bradycardia, whether there's  
12      cerebral blood flow. And I don't know those variables.

13      Q       Why is a bradycardia significant?

14      A       You mean hypothetically?

15      Q       Yeah.

16      A       And unrelated to this case?

17      Q       Yes.

18      A       It depends. It could represent cardiac  
19      arrhythmia. It could represent an infection. It could  
20      represent an injury to the heart, It's an abnormal  
21      rate that is decreased and may affect the cardiac  
22      output.

23      Q       In an infant like this, Dr. Chalhub, is a

1 bradycardia significant when the child is also  
2 insufficiently oxygenated?

3 A When do you mean my insufficiently oxygenated?

4 Q That the child is asphyxiated?

5 A From when, now?

6 Q For a period of a half an hour.

7 A What are you referring to?

8 Q I'm asking you if bradycardia is a significant  
9 additional result to an asphyxiated child?

10 A You mean hypothetically and unrelated to this

11 situation?

12 Q Yes.

13 A Yes.

14 Q Why?

15 A Why what?

16 Q Why is it significant?

17 A -Well, if you already have an asphyxiated child  
18 and you have bradycardia, then one can certainly  
19 compound the existing asphyxia.

20 Q How does that happen?

21 A Well, again, hypothetically and unrelated to  
22 this case, it decreases possibly cardiac output,  
23 possibly oxygenation of the blood.

1 Q And?

2 A And what?

3 Q What **is** the cause to the brain?

4 A Well, **it** depends again on the gestation **of** the  
5 infant, whether it's a full term or premature, whether  
6 the baby has had an in utero asphyxial event a period  
7 of time **before** the delivery. **A** whole host **of** things.  
8 Whether the baby has lower cranial nerve function at  
9 the time **of** birth. **All of** those are important factors,  
10 I can't answer you question **as you've** stated **it** unless  
11 you want to give me those variables.

12 Q Isn't **it** true, **Dr.** Chalhub, **that** a normal  
13 child with no other **problems** who **suffers** asphyxia for  
14 as much as a half hour and **significant** bradycardia in  
15 the range of 30 **for** as little as two minutes can suffer  
16 severe and permanent brain damage?

17 A **No**, that's not true.

18 Q Isn't it true, **Dr.** Chalhub, that a child who  
19 has been asphyxiated for about a half hour and suffers  
20 bradycardia **in** the range **of** 30 can **suffer** a hypoxic  
21 ischemic insult to their central nervous system?

22 A Again, you're talking **in** very vague terms **and**  
23 have not given **any** significant -- I can't answer your

1 question.

2 Q Isn't that true?

3 A No, I can't answer your question.

4 Q Isn't it true that you've testified  
5 previously, Dr. Chalhub, that in a small infant who is  
6 asphyxiated who suffers severe bradycardia, that you  
7 would expect to have a severe ischemic hypoxic insult  
8 to the brain if it lasted anywhere from two minutes to  
9 twenty minutes?

10 A Well, you'll have to show me. I don't think  
11 the situation is the same. Are you talking about a  
12 newborn infant as the one we're describing or a child  
13 that's at three to four months of age that has a  
14 cardiac arrest?

15 Q I'm talking about a newborn infant.

16 A No. I'd have to see if I've stated that.

17 Q Is that your opinion?

18 A Uhat?

19 Q That it can cause a severe hypoxic ischemic  
20 insult,

21 A --No, not in two minutes. Not in a prenatory  
22 infant that's a newborn.

23 Q I said two minutes to twenty minutes.

1 MR, PENXCK:

2 Wait. State the question again. What are you  
3 talking about now?

4 MR. KULLMANT

5 Q I said isn't it your opinion, sir, that a  
6 premature infant who was asphyxiated who suffers  
7 bradycardia can suffer a severe hypoxic ischemic insult  
8 if this persists for anywhere from two minutes to  
9 twenty minutes?

10 A Again, you know, I would have to see exactly  
11 what the situation is and what the gestation is, the  
12 other problems surrounding the infant, It makes a  
13 great deal of difference. But generally speaking,  
14 babies that are premature, that are born, can sustain a  
15 period of hypoxia for a prolonged period of time, The  
16 best set of experimental data is that by Duffey in  
17 nitrogen-given to animals in that they can sustain a  
18 period of 45 minutes if they are newborn. If they are  
19 older or an adult, it's a considerably less period of  
20 time. So again, the factors are very important. The  
21 variables are very important. The other existing  
22 conditions are very important. So if you want to give  
23 me those specifically and itemize them, then I could



1 perhaps make a comment.

2 Q Dr. Chalhub, isn't it your opinion, sir, that  
3 if a newborn infant who is premature suffers from  
4 bradycardia and asphyxia for a two- to twenty-minute  
5 period of time, he can sustain a severe hypoxic  
6 ischemic insult?

7 A I can't answer that question without the other  
8 variables.

9 Q What is ischemia caused by?

10 A You mean just ischemia? In what situation?  
11 In an adult, a newborn, a rat or what?

12 Q A newborn.

13 A What kind of ischemia?

14 Q Ischemia of the brain.

15 A It's usually as a result of decreased cardiac  
16 output.

17 Q -What does that result from?

18 A It's just a whole host of factors, Mr,  
19 Kullman.

20 Q Is decreased heart rate one of them?

21 A Again, if there are other factors involved,  
22 it's possible, But anything is possible.

23 Q Dr. Chalhub, I'd like to talk to you about

1 your letter of October 16th, 1905, to Mr. Penick, The  
2 second sentence **says:** I've made my conclusions --

3 A I don't have a copy of that. Can I look -- is  
4 this a copy of it right here?

5 Q I believe so. -- that this premature infant  
6 suffered predominately a hypoxic episode on a chronic  
7 intrauterine basis. What does that mean, sir?

8 A It means that either on a single episode or  
9 repeated episodes in utero, this infant, in my opinion,  
10 suffered hypoxia.

11 Q What does chronic mean?

12 A Well, as I said, on either a single or  
13 repeated basis at some time during the child's in utero  
14 period.

15 Q Was that a common useage of the word  
16 "chronic"?

17 A -Yes. As opposed to acute.

18 Q And what does acute mean?

19 A That means right away.

20 Q Like when?

21 A .It depends on again the clinical situation  
22 you're talking about.

23 Q In this Cast.

1 A You know, there's acute bacterial endocarditis  
2 and subacute and chronic.

3 Q In this case.

4 A In what case?

5 Q In this case, the Naquin case.

6 A Yes. My opinion is that this child did not  
7 suffer significant acute intrauterine asphyxia. The  
8 predominant cause of this child's problems, based on  
9 the physical examination, the pathology, the CT scan  
10 and the subsequent course, is that of a chronic hypoxic  
11 insult,

12 Q Do you believe this child suffered some acute  
13 intrauterine hypoxia?

14 A It is possible. But the probability is that  
15 the majority of the insult occurred on a prior chronic  
16 basis.

17 Q -Why do you state that it's possible that this  
18 child suffered an acute intrauterine hypoxia?

19 A Well, I think anything is possible, And the  
20 child had an acute abruption. And it's possible that  
21 was in some way related. But the factors in this  
22 child's history, physical and subsequent laboratory  
23 studies are contradicted and don't support that. It

1 supports the pathological entity **of** a chronic either  
2 single or repeated intrauterine insult. Because this  
3 child has an unusual set **of** physical findings which one  
4 sees **in** a certain clinical situation, **And** that **is** **of** a  
5 child who **is** breech, **is** premature, has low **Apgars**, who  
6 has no meconium, **has** a profound lower cranial nerve  
7 involvement at the **time of** birth, early seizures and  
8 goes on to have a clinical picture consistent with what  
9 we're looking at.

10 Q What **is** **the** evidence of an acute abruption?

11 A The pathology report.

12 Q What other evidence?

13 A That's the only evidence that I recall.

14 Q **Is** there evidence of the acute abruption in  
15 the fetal heart monitor tracing?

16 A I don't know how to read acute abruption on -  
17 fetal heart monitor.

18 Q Do you know how to interpret the agonal **phases**  
19 of fetal distress on a fetal heart monitoring?

20 A No. I **told** you I **was** not an *expert* in fetal  
21 heart monitoring.

22 Q I **know** you're not an expert. But do you know  
23 how to interpret the agonal phases of fetal distress on

1 a fetal heart monitor tracing?

2 A No.

3 Q What other evidence **is** there here **of** acute  
4 intrauterine hypoxia?

5 A I'm sorry. I don't really find **any** other  
6 evidence.

7 Q It's your testimony that other than the  
8 pathology report with respect **to** an acute abruption,  
9 that you find no other evidence in this **record to**  
10 suggest acute intrauterine hypoxia; is that correct,  
11 sir?

12 A Let me see if I can --

13 Q You can explain --

14 A Let me answer the question *first*,

15 Q Dr. Chalhub, I'm asking you **for a yes or no**  
16 answer and then **you --**

17 A -And then I can explain? I have the right to  
18 do that?

19 Q Yes, you do.

20 A Now, would you restate **your** question?

21 MR. PENICK:

22 Doctor, let me tell you this: That **you** do not  
23 have to **give a** yes or no answer. **If it does** not fit

2

3

4

**6**

7

10

11

12

1 experts in the evaluations that I've seen and make it  
2 difficult for me to understand how one can base  
3 opinions on an inadequate examination. But based on  
4 this child's findings of a premature breech child who  
5 clearly has selective neuronal necrosis in the lower  
6 brain stem in a significant profound basis early on,  
7 has early on ~~seizures~~, has no meconium and goes on and  
8 has an examination such as I had the opportunity to do  
9 is totally consistent with a child that has a chronic  
10 hypoxic insult either on a single or repeated basis.

11 Q What are the single factors which suggest  
12 acute intrauterine hypoxia in this case?

13 A When you're talking about acute? it could be  
14 anywhere from ten hours to twelve hours, any time in  
15 the labor period. That could be low Apgars, it could  
16 be difficulty at birth, it could be acidosis at the,  
17 time of birth on an umbilical cord specimen, not at one  
18 hour of age.

19 Q I'm talking about in this case.

20 A I'm talking about in this case.

21 Q What else?

22 A That's about all I can see.

23 Q So the factors which are suggestive of acute

1       intrauterine hypoxia singularly by themselves in  
2       isolation are the acute abruption, the low Apgars, the  
3       difficulties this child had at birth and the acidosis;  
4       is that correct?

5       A           That's Correct.    Again, let me elaborate.    You  
6       don't take things singularly.    You take them  
7       collectively based on the pathological findings, based  
8       on the examination and based on the laboratory test.

9       Q           What about the fetal monitor tracing?   Is that  
10      consistent with acute intrauterine hypoxia?

11     A           It could be consistent with a whole host of  
12     things.    The --

13     Q           Well, is it consistent with acute --  
14     MR. PENICK!

15                Wait a minute.    He wasn't finished with his  
16     answer.

17     A           .But again, as I told you, I will reserve  
18     comment on the fetal monitoring.    You have experts to  
19     do that and I think that's best done by those experts.  
20     MR. KULLMAN:

21     Q           Do you have an opinion as to whether this  
22     fetal monitor tracing is also a factor which is  
23     consistent with acute intrauterine hypoxia?



1           A       No. The fetal heart monitor has evidence of  
2 fetal well-being. Normal babies are born oftentimes  
3 with many abnormalities on the fetal monitor. So that  
4 has to be individually assessed.

5           Q       What is the evidence of fetal well-being in  
6 this fetal heart monitor tracing?

7           A       As has been testified and again --

8           Q       I'm talking about your opinion, sir.

9           A       I'm saying that I'm not going to comment  
10 because I am not an expert in fetal monitoring.

11          Q       You just told me, sir, that you felt that this  
12 fetal heart monitor tracing had evidence of fetal  
13 well-being?

14          A       I'm telling you I've read the testimony and  
15 the testimony is that there were fetal accelerations,  
16 there was recovery from the decelerations, all of which  
17 point to fetal well-being.

18          Q       Do you have an opinion based upon your reading  
19 of the fetal heart monitor tracing whether or not it  
20 shows any evidence of fetal well-being?

21          A       - My opinion based on the testimony is that it  
22 shows fetal well-being.

23          Q       I object to the responsiveness of the

1 question, Doctor.

2 A Well, I'm answering the question.

3 Q I understand you've read other people's  
4 testimony. I want to know if you have an opinion based  
5 upon your observations **of the** fetal heart monitor  
6 tracing whether it **shows evidence** of fetal well-being?

7 A No.

8 Q Do you have an opinion based upon your  
9 observations of the fetal heart monitor tracing whether  
10 it **shows** evidence of acute fetal distress?

11 A No.

12 Q Do you have an opinion **based** upon your  
13 observations of the fetal heart monitor tracing whether  
14 it shows the agonal phases of fetal distress?

15 A No.

16 Q In formulating your **opinions** with respect to  
17 this case, then you've relied solely upon the opinions  
18 of others with respect to the significance of the **fetal**  
19 heart monitor tracing?

20 A I have relied on the testimony of others but  
21 in constellation with all the other clinical findings,  
22 **AS** I tried to point out to you, **it's** merely another  
23 tool just like the hematocrit, the hemoglobin, the

1 blood gases, the chest X-ray, the CT scan, the physical  
2 findings, the subsequent course. All of those are  
3 important. You just do not isolate one single factor.

4 And the reasons, as I've already stated, is what babies  
5 can be born with what's quoted as severe changes on a  
6 fetal monitor and be absolutely normal. They can also  
7 have a normal fetal monitor and have significant  
8 problems. It is a tool what's used in conjunction with  
9 other information.

10 Q Hasn't it the most important tool that doctors  
11 have developed in the last decade to assess fetal  
12 well-being?

13 A Is what?

14 Q The fetal heart monitor.

15 A Fetal well-being, yes. It's helpful,  
16 certainly.

17 Q As a pediatrician, you're familiar with it?

18 A Yes, I am. I think I've already stated that.

19 Q And as a pediatrician, you're aware that late  
20 deceleration on a fetal heart monitor tracing is an  
21 ominous sign of fetal distress; isn't that correct?

22 A No.

23 Q What are you aware of the significance of a

1 late deceleration?

2 A You know, again, you have to take that in  
3 conjunction. And I'm going to again tell you that I am  
4 not an expert in this area. I've tried to tell you  
5 that on numerous occasions, The late decelerations  
6 have to be taken in conjunction with gestation, the  
7 clinical situation, what is going on and the evidence  
8 of other means of fetal well-being. And certainly  
9 babies are born all the time with late decelerations  
10 that are normal and obstetricians will let that go to  
11 term if they are convinced that there is evidence of  
12 fetal well-being. That's an assessment that has to be  
13 made by the person interpreting the fetal monitor at  
14 that time.

15 Q Are the findings that you've identified in  
16 your letter of October 16th, 1985, with the number 6 --  
17 that is, neonatal neurological syndrome which you've  
18 said i.e., resuscitation, intubation, hypotonia,  
19 respiratory distress, poor suck and swallow -- are  
20 those signs also consistent with acute intrauterine  
21 hypoxia?

22 A No, not in this clinical situation.

23 Q Just hypothetically in isolation?

1 A You usually don't see poor suck and swallow at  
2 birth or wean shortly after whaw. It usually takes a  
3 period of time to develop afterwards.

4 Q Isn't it consistent, possibly consistent with  
5 weawe intrauterine hypoxia?

6 A No. I already said no. Now in this clinical  
7 situation.

8 Q I'm walking about hypohewically?

9 A I mean anything is possible.

10 Q So it is possible?

11 A Yeah. But I said anything and unrelated to  
12 this case is possible. But again, you keep ignoring  
13 the fact what you have to interpret as symptoms and  
14 the signs and laboratory weets in this clinical  
15 situation.

16 Q Let's assume for the moment -- let's talk  
17 about for the moment your opinion what this child  
18 suffered a single intrauterine insult. When do you  
19 think whaw insult occurred?

20 MR. PENICK:

21 Let me object to the form of the question. He  
22 said --

23 MR. KULEMAN:

1                   He said single or multiple,

2           MR. PENICK:

3                   -- it could be single or repeated episodes,

4           MR. KULLMAN:

5                   I understand that. I'll ask him to clarify  
6           it.

7           Q           Let's assume for the moment it was single.  
8           When do you think that that occurred?

9           A           Again, and the answer to your question is  
10          we're assuming that I've already stated that it's  
11          single or multiple.

12          Q           Exactly,

13          A           Again, it's hard to be absolutely certain,  
14          But based on the clinical findings of this child at  
15          birth and the history of a bleeding at nine to ten days  
16          before delivery, that would be a reasonable period of  
17          time because of the child's other clinical findings and  
18          the child's problems at birth. Now, it could have been  
19          two weeks. It could have been five days before, And  
20          it could have been multiple episodes.

21          Q           Do I understand that it's your opinion that it  
22          probably occurred at the time of this episode of  
23          bleeding some nine to ten days before delivery?

1       A           I said that's within the realm of possibility  
2       in this case -- these cases. Now, you have to  
3       understand that we're dealing with a significant  
4       clinical situation in which a premature breech has  
5       profound lower cranial nerve involvement. That is seen  
6       almost universally on a chronic basis, not an acute  
7       basis. And so it may have been nine days. It may have  
8       been ten days. It may have been five days. And it may  
9       have been on repeated intervals.

10      Q           Your use of the word "chronic. confuses me,  
11      Doctor, De you mean to suggest by your testimony that  
12      you believe that the child became hypoxic nine or ten  
13      days before delivery and remained severely hypoxic from  
14      then to birth?

15      A           No. You assumed wrong. I did not state that,

16      Q           What do you mean by the use of the term  
17      "chronic"?

18      A           Chronic means that it occurred in the past.  
19      It may have occurred on repeated occasions. But I  
20      don't think it was sustained in this particular child.

21      Q           Is that useage of chronic somewhat unusual,  
22      Doctor? Doesn't chronic mean sustained?

23      A           No, not in my terminology.

1 Q You always use it as a synonym for past?

2 A Yes.

3 Q Was this past event an acute event?

4 A I think any event that occurs at that time is  
5 an acute event,

6 Q So the chronic event you're describing is a  
7 past acute event?

8 A Well, I suppose that's one way of having some  
9 redundancy in the answer. But yes. I mean certainly  
10 that has to occur at a time, And if you want to say it  
11 occurs at that time and that's acute, I have no problem  
12 with that.

13 Q Do you think that the event continued?

14 A I don't know. Certainly we don't know enough  
15 about this particular situation for me to tell you  
16 that.

17 Q What do you think happened nine or ten days  
18 before birth?

19 A I think the infant suffered a hypoxic insult  
20 at nine or ten days before or two weeks before or five  
21 days before resulting in profound involvement. And the  
22 reason that this occurs is the nature of the substrate  
23 or the nature of the child or the infant, A prematurity



1       A           It could have been related to the abruption.  
2       It could have been related to the eccentrically placed  
3       umbilical cord, the short umbilical cord, It could be  
4       a whole host of factors. It may have been nothing  
5       related to that. It could have been just vascular  
6       spasm.

7       Q           Do you have an opinion as to what was the  
8       cause of the hypoxia nine or ten days before birth?

9       A           Again, I've told you what it could be, I  
10      don't that there is anybody anywhere that can tell you  
11      the specific insult, We do know -- and what we do  
12      know -- do I not get to complete my answer?

13      Q           You can do it, sir. But Mr. Penick has  
14      accused me of delaying this.

15      A           I'm not delaying it. I'm trying to make this  
16      perfectly clear so that when we come back and you want  
17      to ask me what my opinion was at the time of the  
18      deposition --

19      Q           Please go ahead, sir. I'm really not trying  
20      to rush you at all,

21      THE WITNESS:

22                   Can you read back what I said? He's changed  
23      my train of thought,

(REQUESTED PORTION OF RECORD READ.)

MR, KULLMANr

Q Let me just say for the record I'm really just objecting to the responsiveness of the answer. I understand you've told me that you don't know. I'm asking you if you have an opinion as to what was the cause of the hypoxia nine or ten days before in this case?

A I think I've given you the possibilities.

Q What are those possibilities?

A The abruption, the eccentrically placed umbilical cord, the short umbilical cord. It could have been some type of trauma to the abdomen. It could have been some type of hypotensive episode, Just any type of insult that could have caused hypoxia. And the reason I use hypoxia in distinction to ischemia is that we feel that this type of insult is due to a hypoxic insult, not an ischemic insult, because of the nature and the uniform injury to the brain stem which is extremely vulnerable in a prematory infant.

Q Vulnerable to hypoxia?

A Yes.

Q And this infant was just as vulnerable to

1 hypoxia nine days later?

2 A I don't understand the question.

3 Q Wasn't this premature infant vulnerable to  
4 hypoxia nine days **later** when **he was** born?

5 A **Yes.** But you don't have the clinical findings  
6 that this child had and the profound involvement **as a**  
7 result of an acute insult **two** hours before birth. **It**  
8 doesn't happen,

9 Q Why **do** you say this occurred nine or ten days  
10 **before** birth?

11 A I'm just trying to **give** you an example. You  
12 asked me when **I** thought the event occurred. The event  
13 occurred -- well, because **you** have **a** child that has  
14 developed significant neurological impairment which  
15 **would go** along with a nine- or ten-day interval. **It**  
16 **could** have been **five** days. **It** could have been **fourteen**  
17 days.

18 Q It could have been twenty days?

19 A Possible.

20 Q Why do you hesitate?

21 A I don't **know.** **I'm just** kind of going on the  
22 **basis --** not kind **of.** I am going on the basis of the  
23 **child's** exasination and then subsequent development **and**

1 the studies at that time.

2 Q Could it have occurred thirty **days before**  
3 birth?

4 A No, I don't think so.

5 Q Why not?

6 A Because I would! have -- I would think we would  
7 have seen more involvement **of** the cortex and other  
8 structures **if** it occurred at thirty days and **was**  
9 significant enough to cause this type of problem.

10 Q Why?

11 A Well, it just **would have**.

12 Q Why?

13 A I guess only God knows why. I don't know why.

14 Q Well, there must **be** some reason you expressed  
15 that opinion. Why **would** you express more involvement  
16 of the cortex thirty days before? Dr. Chalhub?

17 A **Okay**. I **see**. I'm sorry. I thought you meant  
18 just why some things occur. You ~~mean~~ why that's my  
19 opinion that it couldn't have occurred --

20 Q **Yes**.

21 A I **would** have **expected** that the head -- if it  
22 occurred thirty days before and it **was** significant **and**  
23 affected both the brain stem and the cortex, that the

1 child perhaps would have had a smaller head  
2 circumference, the child would have had more  
3 neurological involvement at birth than it did at this  
4 time and in a different type of distribution.

5 Q Like where?

6 A Well, the cortex and brain stem. This is  
7 really pretty much a tremendous insult to the lower  
8 brain stem.

9 Q You say you see this in breech cases?

10 A Yes.

11 Q How does that happen?

12 A Breech prernatures,

13 Q How docs that happen?

14 A How does what happen?

15 Q That you see this pattern of neuronal necrosis  
16 in the delivery of breech babies?

17 A .I don't think that the delivery has anything  
18 to do with it, It probably is the presentation of the  
19 infant. And whether there's something wrong with the  
20 infant that it's breech and has this problem and then  
21 it's superimposed by another insult or which is the  
22 cart before the horse, we don't know. Any child that's  
23 breech has a significant chance of having one or more

1 congenital malformations and more problems at birth, be  
2 it a premature or be it a term infant, That's well  
3 known, well recognized,

4 Q Do I understand correctly, Dr. Chalhub, that  
5 the pattern of neuronal necrosis **you** see here is  
6 consistent with the pattern that you would see with a  
7 premature breech vaginal delivery **of a** child who's born  
8 with respiratory disease?

9 A I said premature breach, I didn't **say** vaginal  
10 delivery. It's **seen** with a C section **as** well **as** a  
11 vaginal delivery,

12 Q You **see** them both **ways**?

13 A **Yes.**

14 Q **When** you see this pattern, if the child  
15 suffered severe hypoxia during a vaginal delivery, that  
16 is **a** breech presentation child?

17 A **No**, I don't think **so**. Not on an acute **basis**,  
18 And incidentally, doing a C section on this child at  
19 **the** time of delivery would have made no difference in  
20 the **deficit**. The child would have had the same amount  
21 of neurological deficit.

22 Q That's because of your opinion that it all  
23 occurred **nine** or ten days **before**?

1       A           No. That's also because of the way this child  
2       presented. The cervix was fully dilated, there was no  
3       evidence of head trauma, there was no evidence of body  
4       trauma, there was no evidence of trauma to the baby or  
5       molding of the head which usually is the reason that  
6       the children in breech deliveries suffer the problems.

7       Q           Now, Doctor, I think you were going to explain  
8       to me the reasons why you think it occurred nine or ten  
9       days before delivery. That is, as I understand it,  
10      because the child's head circumference was normal size,  
11      because the child was not small for gestational age?

12     A           I didn't say that.

13     Q           Is that also consistent?

14     A           It's consistent.

15     Q           What other reasons do you have for believing  
16      that it occurred nine or ten days before birth?

17     A           And the nature of the neurological deficit at  
18      the time of birth.

19     Q           Do you only see this neurological damage when  
20      the brain damage occurs nine or ten days before birth?

21     A           I didn't say nine or ten days. We're  
22      estimating that because of the history of the abruption  
23      or bleeding at that particular time. Now, whether

1 something occurred two weeks or something occurred five  
2 day6 or it was on repeated occasions, there's no way to  
3 tell.

4 Q Well, do you see this pattern of neuronal  
5 necrosis in children -- in infants where there is no  
6 event nine or ten days before birth?

7 A There has to be some event. Or else you  
8 wouldn't see the neuronal necrosis.

9 Q Can you see this pattern, neuronal necrosis,  
10 just from hypoxia occurring at birth?

11 A Give me all the specifics. I want to know the

13 mother. I want to know all those things because I  
14 can't tell you as you ask that question.

15 Q Dr. Chalhub, what do you base your opinion on  
16 that this pattern of neuronal necrosis is consistent  
17 with an insult in this child nine or ten days before  
18 birth? And when I say that, do you have any studies on  
19 which you rely?

20 A In terms of what?

21 Q Of your opinion.

22 A That it's nine or ten days?

23 Q Yeah.



1       A           That, you know? is a reflection of my 15 years  
2       in pediatric neurology, my command of the literature,  
3       my examination of multiple babies in similar  
4       situations, my review of the records, my review of the  
5       CT scans and my examination of the infant,

6       Q           I understand that.

7       A           Which incidentally has not been done by  
8       anybody else,

9       Q           Dr. Chalhub, are you aware of any reports in  
10      the literature or studies which would state your  
11      hypothesis in this case: that is, that this particular  
12      type of neuronal necrosis and brain insult is generally  
13      seen because of a chronic hypoxic insult?

14      A           There are many articles in the literature.

15      Q           Would you name me some of them?

16      A           I can't give you those right now. I didn't  
17      come prepared to do that. But I'll be glad to furnish  
18      them to Mr. Penick in the future.

19      Q           How long would it take you to do that?

20      A           I'd have to go through a whole lot of files.  
21      Most of the time this is a command that people have all  
22      of the time that practice in this area. So I'll have  
23      to get those for you.

1 Q Are you aware of any references or pediatric  
2 neurological texts that make this point that you're  
3 making?

4 A I'm sure there are. I don't generally refer  
5 to a pediatric neurology text,

6 Q Which ones do you have?

7 A In what area?

8 Q Just textbooks in pediatric neurology,

9 A I have Swaimen & Wright's textbook, Pediatric  
10 Practice in Neurology. Fennichel's book, Menkes' book.  
11 I have a large library. I can't give you all the names  
12 of them.

13 Q Do you have any others?

14 A Yeah, I have a lot of others. But I just  
15 can't sit down and give you -- if you like -- no,  
16 that's not going to be practical, either.

17 Q I'll be glad to go over there and look,

18 A No. I don't want you to come to my house.  
19 But I have an extensive library.

20 (A SHORT RECESS WAS TAKEN.)

21 M3. KULLMAN:

22 Q Dr. Chalhub, I think we've gotten to the  
23 second sentence. Do I understand correctly that this

1 hypoxic episode which you believe the child suffered  
2 some days before delivery may have either been acute or  
3 sustained?

4 MR. PENICK:

5 Let me object to the form of that question,  
6 the use of the word "acute." That's your word and not  
7 his.

8 MR. KULLMAN:

9 He used it earlier, Bill.

10 MR. PENICK:

11 I think it's very confusing,

12 MR. KULLMAN:

13 Q Do you understand the question?

14 A I don't think I used it the way you used it  
15 being acute nine days before.

16 Q I thought we talked about chronic means to you  
17 passed acute?

18 A That's not a terminology. That's your  
19 terminology. I said I suppose if that's the way you  
20 want to put it. But that's not the way I phrased it.

21 Q How do you define chronic? He haven't gotten  
22 as far as I thought we had.

23 A Occurring in the past and either singularly or

1 on a repeated basis.

2 Q What **does** singularly mean?

3 A At one time.

4 Q For **a** short **time** or **a** long **time**?

5 A It depends on **the** type of insult and it depends on what you're talking about.

7 Q In this case.

8 A It would have **had** to occur long enough to  
9 cause the damage that the baby **has**.

10 Q How long **is** that?

11 A I don't know that. I don't think anybody can  
12 tell **you** exactly that. There **are** multiple factors that  
13 it is depending on, what regional cerebral blood flow  
14 at that time, what oxygen concentration, There's no  
15 way to **measure that**.

16 Q What would you say the range of time would be?

17 A **.Excuse** me?

18 Q What would **you** say the range of time would be  
19 if it happened **as** a single event?

20 A You know, I don't think I can tell you that,

21 Q Let's **say** it happened **as** a sustained event.  
22 **Bow** long would it take?

23 A What do **you** mean by **sustained** event?

1 Q Well, **you** said ~~it~~ either happened on a single  
2 or repeated basis. What do you mean by repeated?

3 A Okay, One day, then the next day, then the  
4 following day.

5 Q Do you have evidence that that happened?

6 A No, ~~The~~ evidence ~~is~~ pretty firm that the baby  
7 **has** profound lower cranial nerve involvement. ~~Now~~, we  
8 do know that when babies have this at birth in babies  
9 that have been examined pathologically, those have been  
10 old insults by the amount of microglia proliferation,  
11 the fibrillary astrocytosis and the amount of necrosis,  
12 meaning that it's not an acute event, meaning it **does**  
13 not surround the time the baby is born, it ~~happens~~ at  
14 **some** time ~~in~~ the past. I can't tell you exactly **at**  
15 what time in **the** past, My best bet -- best estimation  
16 and professional expert opinion in this particular  
17 situation is that because of the vaginal bleeding nine  
18 to ten days before in the abnormal placenta, that in  
19 all probability, that's when the event ~~occurred~~. It  
20 could have been **two** weeks, it could have been five  
21 days, it could have been seven days. And it could **have**  
22 been on multiple occasions.

23 Q Do you feel, Doctor, that ~~if~~ this child had

1       been delivered six **days** before **it** was delivered, that  
2       **it** would have been just **as** brain damaged **as it is**  
3       today?

4       **A**           I don't **know** how to answer that.

5       **Q**           Do you have **an** opinion?

6       **A**           I said no. I can't **answer it**,

7       **Q**           Do **you** feel that **if this** child had been  
8       delivered shortly after **it** suffered **this** past insult  
9       before delivery **..**?

10      **A**           Which past insult?

11      **Q**           The **past** insult **you** were postulating **occurred**,  
12      that **it** would have been just as **brain** damaged **as it is**  
13      today?

14      **A**           I don't know **how** to make that assumption.

15      **Q**           Do you have an opinion on that?

16      **A**           No.

17      **Q**           Isn't it true, **Doctor**, that you often **see**  
18      abruptions of **the** placenta without there being any  
19      neurological damage to the fetus?

20      **A**           I don't **know** what you mean by **often**.

21      **Q**           Don't you know that that occurs?

22      **A**           Yeah. But **it** doesn't **oftenly** occur.

23      **Q**           It does occur?

1           A           Certainly. But it doesn't occur in situations  
2           such as this where that baby has these clinical  
3           findings, this examination, these laboratory studies.

4           Q           Well, with respect to your item number 2,  
5           abnormalities of the placenta, Doctor, what  
6           abnormalities are you referring to?

7           A           A short umbilical cord that's eccentrically  
8           placed and two evidences of abruption.

9           Q           Now, with respect to the size of the umbilical  
10          cord, do you feel that that caused this baby's brain  
11          damage?

12          A           I think I've already commented on what I have  
13          felt that's occurred in this infant. And I cannot tell  
14          you -- and I don't think anybody can tell you -- that  
15          one single thing in relation to this baby caused the  
16          problem. What we do know is that it's extremely  
17          unusual in a premature breech infant with the  
18          neurologic examination that this child has to have the  
19          problem on an acute basis, acute meaning within the  
20          labor period and within the delivery period. It has to  
21          be a previous insult of some sort at some time within a  
22          reasonable period of time.

23          Q           It can occur as an acute basis?

1       A           I just said that **it** didn't.

2       Q           You **say** it's unusual. Do you say that **it** can  
3 occur?

4       A           I suppose anything **is** possible. But I'm not  
5 aware of it occurring on an acute **basis**. If the baby  
6 is a full term, that changes things.

7       Q           In this baby, **it's your** testimony that it's  
8 possible that **his** brain damage **is** a result of an acute  
9 episode of hypoxia?

10      A           Acute meaning what?

11      Q           Acute meaning **in** the hour before birth.

12      A           No, I said that it's my opinion that **it** is  
13 not possible and **it is** probable that it occurred at a  
14 previous time.

15      Q           Are you saying it's not possible?

16      A           I said exactly that this situation, **in my**  
17 estimation with the findings in this child -- and I'll  
18 be happy to repeat them one more time -- that this  
19 event did not occur one hour or two hours prior to  
20 birth.

21      Q           '**Why** is that not possible?

22      A           Because of the examination, the pathologic  
23 findings, the prtmatory infant, the lack of meconium,



1 the history of the abortion, the abnormalities with  
2 the cord. All of these things.

3 Q Let's go through them one by one. What  
4 makes it impossible? Does the fact that the baby is  
5 premature make it impossible?

6 A Does it make what impossible?

7 Q That this baby's brain damage could not result  
8 from an acute hypoxic episode.

9 A What are you defining now as an acute hypoxic  
10 episode? Because I think I may have some  
11 misunderstanding.

12 Q Occurring shortly before birth.

13 A What type of hypoxic episode?

14 Q What type of hypoxic episode have you been  
15 talking about?

16 A I'm talking about an episode that occurred in  
17 the past during predominantly lack of oxygen.

18 Q Describe it for me?

19 A I just did. I said lack of oxygen.

20 Q I'm talking about a lack of oxygen shortly  
21 before birth?

22 A Shortly meaning what?

23 Q One to two hours.

1       A           I've already stated that I do not think it is  
2       possible for that **to** occur in this particular clinical  
3       situation,

4       Q           No, **Does** the fact that this baby **was**  
5       premature eliminate the possibility that an **acute**  
6       hypoxic event such **as** **we've** just defined could cause  
7       this baby's pattern **of** brain damage?

8       **MR. PENICK:**

9                   You mean **does** that factor alone?

10      WR, KULLMANT

11                   **Yes.**

12      A           No .

13      Q           Does the fact that this lady had an **episode** of  
14      bleeding nine **or** ten days before make that **impossible**?

15      **MR, PENICKr**

16                   In **all of** these **questions** that you're talking  
17      about, that **factor** alone?

18      **MR. KULLMAN:**

19                   Alone; right,

20      A           I can **answer** those all **collectively**; that  
21      those **single** factors, I can't make that **assessment**  
22      based on a single factor,

23      Q           May I just ask the question, **sir**?

1 A Okay.

2 Q Does the fact that the mother had an episode  
3 of bleeding nine or ten day8 before make that  
4 impossible?

5 MR. PENICK:

6 If you can answer that.

7 A I can't answer the question.

8 MR. KULLMAN:

9 Q What about the fact that the baby had low  
10 Apgars at birth? Does that make that impossible?

11 A Again, I can't answer your question as an  
12 individual basis. You have to take medicine  
13 collectively with all the clinical findings to make a  
14 conclusion. So I can't answer that. I'm not trying to  
15 be difficult. But you cannot practice medicine based  
16 on single Factors.

17 Q I'm just asking questions, Doctor. What about  
18 the fact that the baby had neonatal neurological  
19 syndrome? Does that make it impossible that this  
20 baby's brain damage is a result of an acute hypoxic  
21 episode?

22 A It makes it impossible on the basis of this  
23 child's history, clinical findings, examination and

subsequent development.

Q           What about the early onset of seizures? In  
2           and of itself, does that make it impossible that this  
4           child's brain damage was a result of an acute hypoxic

6           A           To the contrary. That's considerably more  
7           consistent with a previous episode occurring at some  
8           time in the past.

9           Q           Hell, do you say that that makes it impossible  
10          that this baby's brain damage was a result of acute  
11          hypoxia?

12          A           Taken together with everything else, it makes  
13          it impossible.

14          Q           Just by itself, sir,

15          A           I can't answer that question.

16          Q           What about the CT scan? Do you say that the  
17          CT scan is inconsistent with an acute hypoxic episode  
18          in and of itself?

19          A           When?

20          Q           The CT scans that you have examined.

21          A           Taken together collectively with all of the  
22          other findings and the baby's -- I have to answer the  
23          question the way I can answer it.

Q If you can't answer the question, answer it  
2 that way.

3 A I cannot answer it that way,

4 Q What about ~~this~~ child's profound lower cranial  
5 nerve involvement? Does that fact ~~in~~ and ~~of itself~~  
6 make it imposeible that this child's brain damage is a  
7 result ~~of~~ an acute hypoxic episode?

8 A You know, again, it's extremely unlikely. And  
9 you'd have to base it on what is known. And I don't  
10 know in my personal experience of a case with such  
11 profound neurological involvement that has occurred at  
12 the time of delivery in a prematory infant.

13 Q Does that make it impossible?

14 A I suppose anything is possible. But an terms  
15 of what we know in medicine and what we have to take  
16 collectively, I just don't see it,

17 Q That's your opinion?

18 A That is my opinion,

19 Q Other people might have different opinions?

20 A No, I don't think other people do have --

21 MR. PENICK:

22 Anything is possible.

23 A Anything is possible, The published

1 literature in my experience is that this is what  
2 occurs.

3 MR. KULLMAN:

4 Q You don't think other pediatric neurologists  
5 might have different opinions about this?

6 M5. PENICK:

7 You don't have to answer that question.

8 MR. KULLMAN:

9 Q What was your answer?

10 A Oh, sure, I think anybody can. But they have  
11 to have examined the infant and looked over the  
12 clinical material,

13 Q The next sentence, you say: This particular  
14 pattern of selective neuronal necrosis is almost always  
15 seen in a premature infant due to hypoxic metabolic  
16 damage and possibly subsequent hyperoxia and mitigates  
17 strongly against an acute event occurring shortly  
18 before birth, what do you mean by pattern of selective  
19 neuronal necrosis?

20 A Well, that's what we've been talking about for  
21 an hour, And that is, in the premature infant, they  
22 have selective vulnerability of the lower brain stem as  
23 opposed to the cortex. And the reason for that, it is

1       thought, is because they have a high metabolic rate  
2       within their brain stem and are extremely sensitive to  
3       decreases in oxygen concentration. It's also not in a  
4       vascular distribution such as one sees with a middle  
5       cerebral artery infarct or a vertebral vascular  
6       infarct. It's in a diffuse pattern within the brain  
7       stem. And because it is a prematory infant, that  
8       selectivity and that vulnerability in that portion of  
9       the nervous system is particularly striking.

10      Q           What is periventricular leukomalacia?

11      A           It's decreased densities in the  
12       periventricular area.

13      Q           Does this child have that?

14      A           NO.

15      Q           Does this child have evidence of subarachnoid  
16       hemorrhage?

17      A           When?

18      Q           Ever.

19      A           Yeah. It had a lumbar puncture at birth.

20       Now, whether that was a traumatic lumbar puncture or  
21       not, I can't really be sure because they didn't  
22       describe it.

23      Q           What about subependymal hemorrhage?

1           A           Subependymal hemorrhage? Bas no evidence of  
2           that.  
3           Q           What about intraventricular hemorrhage?  
4           A           Be has no evidence of intraventricular  
5  
6           Q  
7           A           *The child does have loss of cortical neurons.*  
8           Q           Diffuse or focal?  
9           A           What do you mean by diffuse or focal? In what  
10          area.  
11  
12  
13  
14          A           It's focal in the temporal lobes and the  
15          sylvian fissures.  
16          Q           Where else?  
17          A           That's all I can see.  
18          Q           And where is it diffuse?  
19          A           Over the entire cortex because the sulci are  
20          slightly larger. But again, this child has suffered a  
21          postnatai insult, too, and postnatal asphyxial insult.  
22          And how much of that has contributed to the child's  
23          problems is again difficult to be certain. The child



1 had repeated apneic spells, repeated bradycardia  
2 spells, received albumin and volume expanders before  
3 transfer to the Baptist Hospital. So those are all  
4 factors which would go into possibly a cortical insult.

5 Q What do you think those postbirth events did  
6 in this case?

7 A I'm sure it contributed to the child's  
8 problems. But that's something that nobody can do  
9 anything about.

10 Q Do you think they caused additional brain  
11 damage?

12 A I think it's possible, yes.

13 Q Do you think they caused additional damage to  
14 the brain stem?

15 A I don't know how to answer that.

16 Q Doctor, this past insult that you say occurred  
17 nine or ten days before birth, how would you have  
18 expected that to affect the fetal movement in this  
19 case?

20 A Probably Wouldn't have altered it at all.

21 Q Why is that?

22 A You know, unless it's going to -- it takes  
23 time for those lesions to develop and time for that

1 weakness and paralysis to be permanent. And again,  
2 it's hard for sometimes mothers to notice decreases in  
3 fetal movement.

4 Q How long do you think it would have taken this  
5 insult to have had its effect on the child?

6 A I don't know, I mean, it's a different  
7 environment. The uterus and the amniotic fluid and  
8 problems that are occurring in utero, it's difficult to  
9 be certain,

10 Q How would you have expected this mother to  
11 describe the fetal movement after this insult?

12 A I would have expected her not to notice any  
13 change.

14 Q Well, would you have expected there to have  
15 been fetal movement?

16 A Yes, absolutely.

17 Q What if there was no fetal movement?

18 A Well, then, I would have thought that there  
19 was something quite abnormal with the baby, more than  
20 just a hypoxic episode.

21 Q Why?

22 A well, usually when you have cessation of fetal  
23 movement, the infant is about to die or has severe

1 neuromuscular disease. Neither one **of those** occurred  
2 in this situation.

3 Q Pardon me **if I've** asked this before,. Doctor.  
4 But thio past insult, what **do you** think **it** did to the  
5 baby's brain?

6 **MR. PENICK:**

7 You're right, **You** did **ask** that about seven  
8 times before.

9 **MR. KULLMAN:**

10 Maybe this will be the last time.

-- A State **your** question again,

12 Q What **do you** think this past hypoxic insult did  
13 to the **baby's** brain?

14 A Well, I've tried to **explain** that **for the past**  
15 two hour8 in terms of the lower cranial nerve  
16 involvement.

17 Q What does that mean?

8 A That means that the child's cranial nerves  
18 five, seven, nine and ten are profoundly involved and  
19 the child has **a** spastic **quadriparesis**. And this comes  
20 **as a** selective involvement **of** the lower cranial **nerves**,  
21 the lower **brain stem**,. the pons and the medulla in a  
22 **prematory** infant. ~~The reasons~~ again are because the  
23

1       prematory infant has a high vulnerability in that area  
2       because of the high metabolic rate, the lack of  
3       adequate blood flow as compared to the full term which  
4       is directly the opposite.   **The full** term infant has a  
5       selective vulnerability to the watershed area or the  
6       cortex whereas opposed to the prematory infant which is  
7       well vascularized docs not have that ability.   So when  
8       you see a chronic insult in a child, particularly a  
9       prematory infant, and for some reason particularly  
10      prematory breeches such as this **child**, you **have** the  
11      clinical picture.   And let's make no mistake.   This is  
12      not a common picture.   And you don't have a child that  
13      has diffuse damage.   This child has profound, I mean  
14      remarkable damage in the lower brain stem.   So it's not  
15      just a run of the mill acute insult occurring before  
16      birth.   **It's** a complicated case.   The child also has  
17      postnatal evidence of problems in the neonatal period  
18      which are difficult to take care of when you already  
19      have a damaged baby that's born.   So when you have  
20      repeated episode6 of apnea, bradycardia, shock, that  
21      obviously has to take its toll.

22      Q           Dr. Chalhub, what docs the hypoxia do?   Why  
23      does it harm the baby's brain?

1 A Because it kills neurons.

2 Q Because they require oxygen?

3 A Well, you know, **they require** high energy  
4 stores which **are** as a **consequence of the** oxygen,

5 Q **So if** they don't have oxygen, they die?

6 A What do **you** mean? **We're** talking  
7 hypothetically and unrelated, neurons in general or  
8 what?

9 Q This case.

10 A **Yes.** What I'm talking about **is a** neuronal  
11 necrosis which **is a direct** insult due to **lack ob**  
12 oxygen, **Now,** there are some individuals that feel that  
13 this type of injury **is due to,** quote, "hyperoxia." In  
14 other words, after the infant **is** born, the fact that  
15 you have **to** give high concentrations of oxygen **to**  
16 support respiration **may** cause further damage and it **may**  
17 also contribute to that, **I** don't think anybody knows  
18 the **answer** to that, It's something that deserves  
19 further study.

20 Q **So** lack of oxygen kills neurons **selectively,**  
21 **is** that correct?

22 A **As a** general statement unrelated to anything  
23 specifically unless you want to define specifics, yes.

1 Q In this case, **you** believe that the lack of  
2 oxygen killed neurons in this baby's brain selectively?

3 A On **a chronic basis**.

4 Q And by chronic **you** mean sometime before birth?

5 A That's correct.

6 Q And the **reason lack of** oxygen killed neurons  
7 selectively in **your** opinion **is** because the baby was  
8 premature?

9 A No. That's the reason that you see **the**  
10 distribution of neuronal **loss is** because the baby **is**  
11 premature **as** opposed to **a full term**.

12 Q Would **a full-term** baby have had **a different**  
13 selective death of neurons?

14 A **Yes**.

15 Q **So** this **baby's** pattern of selective neuron  
16 death **was a result of** the fact that **it** was premature?

17 A That's correct. **As well as** we can understand.  
18 I mean, certainly there are **probably** other factors.

19 Q And the pattern of selective neuronal death  
20 we're talking about **is a** pattern which the **primary**  
21 focus **of** the neuron death **is in** the brain stem?

22 A **Yes**.

23 Q And that's characteristic, **I take it**, of

1 situations where you see brain damage prematures that  
2 they have this predominantly brain stem neuronal death?

3 A No, I didn't say what.

4 Q I, what true?

5 A No. You know, where are a low of brain  
6 damaged premature. Due to subependymal hemorrhage, due  
7 to intraventricular hemorrhage, due to a whole host of  
8 other problems. We're now talking about those. We're  
9 talking about which particular type of problem.

10 Q Well, did this baby have any of those  
11 hemorrhages?

12 A No.

13 Q What causes those hemorrhage?

14 A Problems with the vascular architecture and  
15 cerebral blood flow in the premature.

16 Q Does hypoxia cause those hemorrhages?

17 A No, they don't.

18 Q Is it your testimony that hypoxia does not  
19 cause those hemorrhages in a premature or doesn't cause  
20 those hemorrhage period?

21 A Well, again, we're struggling with an area  
22 that is continuing in research. Suspendymal  
23 hemorrhages and intraventricular hemorrhages may in

1 part be due to hypoxia, But it's also due to ischemia  
2 and lack of blood flow which makes that particular area  
3 weak then they hemorrhage into it. So again, it may  
4 also be due to when one gives fluids and increases the  
5 blood pressure that causes the hemorrhage. So I don't  
6 think we know exactly the pathophysiology of  
7 periventricular and intraventricular hemorrhage in the  
8 premature. At least I don't know it.

9 Q Now, this pattern of selective neuronal  
10 necrosis that you see in prenaturs, do you only see it  
11 in prenaturs where the delivery is by breech?

12 A No, You can see it when they're delivered by  
13 cesarean section,

14 Q This pattern of selective neuronal necrosis  
15 that you see in prenaturs, do you see it only when  
16 there has been episodes of bleeding by the mother?

17 A I don't know the answer to that,

18 Q And the reason the neurons die is because of  
19 lack of oxygen in this case?

20 A Taken together again with the type of infant,  
21 the age of the infant, the type of neurological damage,  
22 as best we can estimate, it is thought that and  
23 hypothesized that this is the pathophysiological



1 mechanism of neuronal impairment in this particular  
2 situation.

3 Q And that's **because** the premature **is** -- what  
4 did you say? Selectively --

5 A It's because the brain stem -- excuse me for  
6 interrupting. Do you want to **finish** your question?

7 Q I can't reach for that word. You said  
8 selectively susceptible?

9 A Right.

10 Q What was your **word**?

11 A Well, the reason **is again** the prematory  
12 infant's brain stem **is a** different metabolic rate than  
13 the full-term infant or than you or Mr. Penick or me.  
14 It has a high metabolic rate and requires an excessive  
15 amount of oxygen. And when that's impaired, ~~albeit~~  
16 slightly, some damage occurs. **Now**, when it's  
17 significant, you see a lot of damage. And you see it  
18 selectively involving the brain stem and to **a** lesser  
19 extent the cortex.

20 Q How long **has** this been known?

21 A I don't know. **I** would **have** to go back and  
22 look **at** the reports **of** the early articles and the  
23 pathology, I just don't know. There's articles in **the**

1

2

3

4

Q When **you** were getting **your** training, was this

5

6

A Yes .

7

Q Obstetricians know this?

8

A No, they don't know that. But individuals who testify in this area ought to know it.

10

Q When you say testify in this area, what do **you** mean by that?

11

12

A As an expert.

13

Q Have you previously testified where the issue has been to distinguish between chronic hypoxia and what **you** call acute hypoxia?

15

16

A I don't recall.

17

Q Have you ever given such testimony?

18

A I just don't know,

19

Q Have **you** ever written a paper on that subject?

20

A On what?

21

Q On the distinction between the findings you would expect with what you've called chronic hypoxia as distinguished from acute hypoxia?

23

1           A           Let's get the facts right again. We're  
2           talking about a prematory breech infant in this  
3           situation that has a clinical picture consistent with a  
4           chronic hypoxic insult,

5           Q           Have you written on that subject?

6           A           No, I haven't.

7           Q           Have you spoken on that subject?

8           A           Yes,

9           Q           Where?

10          A           I'm sure at the pediatric ground rounds and at  
11          the neurology ground rounds.

12          Q           You mean at the hospital here?

13          A           Yes.

14          Q           And when I say you've spoken on that subject,  
15          that's the distinction you would expect between an  
16          acute insult to a premature breech and a chronic  
17          insult?

18          A           No, I can't say that I've talked specifically  
19          as your question. We talk about neonates, we talk  
20          about prematures with selective injuries. So I don't  
21          know. I'd have to go back and look at the lectures.

22          Q           So this is the first time where you have  
23          researched and expressed an opinion as to a distinction

1       between a chronic insult and an acute insult and its  
2       effects on a premature breech?

3       A           No, I didn't say that,

4       Q           When have you done so before?

5       A           You mean testified in this situation?

6       Q           No. Just researched and studied and talked  
7       about that problem,

8       A           Well, that goes on all the time. And in terms  
9       of your continuing education and continually seeing  
10      patients, this is the first such infant that I've seen  
11      in a good while that's had this type of clinical --  
12      these are unusual children. And they're often  
13      misdiagnosed as acute asphyxial problems such as in  
14      this case when in fact the insult occurred prior to the  
15      delivery. It's no fault of anyone's, It's something  
16      that cannot be prevented. And unfortunately we get  
17      into the situation that we're in.

18      Q           Sometime back did you testify in such a case?

19      A           No, I've not testified in a case that's  
20      similar to this.

21      Q           Do you agree, Dr. Chalhub, that late  
22      decelerations on a fetal heart monitor is, a pathologic  
23      finding associated with uteral placental insufficiency

1 and is indicative of inadequate fetal oxygenation?

2 A No.

3 Q In this hypoxic episode that you say occurred  
4 nine or ten days before birth, Doctor, how would you  
5 expect that to have affected the child's liver?

6 A The liver?

7 Q Yes.

8 A I don't think it would -- I really wouldn't  
9 have expected it to affect the liver.

10 Q Isn't the liver one of the organs that's most  
11 susceptible to hypoxia and to injury by hypoxia?

12 a No, not that I'm aware of, It's usually  
13 ischemia.

14 Q What about the child's heart? How would you  
15 expect it to affect the child's heart?

16 A It may or may not, It depends again on the  
17 length of time. Sometimes it can certainly cause  
18 myocardial damage or ischemia, But again, that's  
19 usually due to ischemia and not hypoxia alone.

20 Q In thio case do you think the hypoxic episode  
21 you say that occurred nine or ten days before birth  
22 would Save normally caused damage to the heart?

23 A Probably not,

1 Q Why not?

2 A Because hypoxia in general does not cause that  
3 much damage to the heart unless it's sustained for man,  
4 many days and severe.

5 Q Do you believe that this was sustained for  
6 many days?

7 A No, I don't. As I've already told you, the  
8 best that I can put together -- and again, what you  
9 have to do is you have a clinical entity which is  
10 indisputable, the physical examination, the CT scan,  
11 the findings and the course. You have to go back and  
12 explain that within a reasonable medical probability,  
13 not just assume that because the baby is born and it's  
14 damaged, that it's due to an insult that occurred two  
15 hours prior to delivery. It has to fit with  
16 everything.

17 Q Now, Dr. Chalhub, in this case, could not a  
18 hypoxic ischemic event have caused severe irreversible  
19 brain damage if it lasted for a period of one to two  
20 minutes or up to 20 to 30 minutes and show exactly the  
21 pattern of neuronal necrosis that you've described?

22 MR. PENICK:

23 Can you read that back to me?

(REQUESTED PORTION OF RECORD READ.)

MR. PENICK:

I have to object to the form of the question.  
Because I think it's an incomplete hypothetical.

A What situation are you talking about?

MR. KULLMAN:

Q In this case.

A I don't know how to answer that, I can't  
answer that based on that data because I don't know the  
other variables.

Q Let me just ask you a hypothetical as you say  
totally without respect to this case. In a premature  
infant, could a hypoxic ischemic event cause the  
pattern of brain damage that you see in this case?

A A hypoxic ischemic event?

Q Yes.

A It's predominately hypoxia, less point  
ischemia. But it can occur in a prematory infant.

Q And could cause this pattern?

A But I didn't say one to two-to twenty minutes.

MR. PENICK:

Let me ask you to clarify. Are you talking  
about an event that occurs before labor or during labor

1 or what?

2 MR. KULLMAN:

3 Let's go through them all,

4 Q Could a hypoxic ischemic event in a premature  
5 such as this one occurring in utero cause the pattern  
6 of neuronal necrosis we see in this case?

7 MR. PENICK:

8 I'm going to ask you for the same  
9 clarification.

10 A When in utero?

11 Q One to two hours before delivery.

12 A I've already tried over the past three hours  
13 to tell you I don't think that's possible in this  
14 particular situation.

15 Q I'm not talking about this particular  
16 situation. I'm talking about hypothetically.

17 A Hypothetically it's unlikely in this  
18 situation.

19 Q But it's possible?

20 A Anything is possible, Mr. Kullman.

21 Q Let's talk about just after birth. Could a  
22 hypoxic ischemic event just after birth cause this  
23 pattern of neuronal necrosis?



1       A           You've got to define hypoxia and ischemia in  
2       what you're talking about.   **Does the** child have a  
3       cardiac arrest **or does** the child have just a **little** bit  
4       of decrease **in** cerebral blood **flow** or what?

5       Q           Let's talk about **lack of** oxygen,.

6       A           **Total** lack **of** oxygen or a little bit **of** lack  
7       **of** oxygen?

8       Q           **Severe** lack of oxygen,

9       A           What **is** severe?   **What's** the PO23

10      Q           I don't know, Doctor.   **You've** been talking  
11      here **for three hours** and you've never **said** what the PO2  
12      in this child was nine **or ten days** before,

13      A           I don't **know** what **it** was.   I **don't** have any  
14      **way** to find **out** what **it** was.

15      Q           But you think **it** caused brain **damage**?

16      A           The child has brain damage, Mr. Kullman.   We  
17      have unequivocally established the child has **brain**  
18      **daaagt**.   And the child has severe brain **damage**.

19      Q           **What was** the PO2 when **it** had this **brain**  
20      **damage**?

21      A           I have no idea.   I don't **know** of **any way** to  
22      **tell**.

23      Q           What month **was** **it** in?

1       A           The PO2 may have been fine in the blood. It  
2       probably wasn't very good in the brain.

3       Q           What do you mean by very good in the brain?

4       A           I don't know,, There's no way to assess that.  
5       I don't know how to do that.

6       Q           What would you say the range was?

7       A           . I don't know.

8       Q           You have no idea what the range of PO2 was in  
9       the brain?

10      A           NO.

11      Q           You have no idea what a range of PO2 would be  
12      required to produce this brain damage?

13      A           I can tell you that experimentally in rats and  
14      monkeys. But I can't tell you babies because we don't  
15      have that data.

16      Q           You don't know what level of PO2 --

17      A           No one knows what it is.

18      Q           You don't know what level of PO2 it takes to  
19      cause brain damage in a premature infant?

20      A           As I told you, we know experimentally in other  
21      animals but not in prematory human beings.

22      Q           What about level of cardiac function it takes  
23      to cause brain damage in a premature infant? Do you

1 know what level of cardiac function it takes to cause  
2 permanent irreversible brain damage in premature  
3 infants?

4 A How are you defining cardiac function?

5 Q Lowering of **pulse**,

6 A Lowering of pulse for how long and how much?

7 Q You tell me.

8 A With **all due** respect, you're here to ask the  
9 questions. I'm not here to give **you** the questions and  
10 answer them.

11 Q Let's assume for five minutes?

12 A What are we assuming for five minutes?

13 Q That he has a lowered pulse.

14 A Lowered pulse what? What's his cardiac  
15 output? What's his cerebral **blood** flow?

16 Q You tell me, What would it take, Doctor, to  
17 cause permanent irreversible damage such **as we** see in  
18 this **case**?

19 A **A8** I told you **before**, I can't answer that  
20 because there is no data available. What **we** do know is  
21 the timing of the insult **by** the pathologic examination  
22 of similar infants puts it at a time other than two  
23 hours before the delivery. That's what **we** know. Now,

1        what happened **at** nine days **of** age and what the  
2        specifics are, **I** don't know how **to** tell you that,

3        **Q**            **You** don't know what reduction of cardiac  
4        function **it** takes to **cause permanent** irreversible brain  
5        damage?

6        **A**            **Hypothetically**, no, **I** don't know that. **I**  
7        don't know **how** to get that data other than in animals.  
8        **And I** can give you that in animals.

9        **Q**            Do **you** have an opinion?

10       **A**            **An opinion** about what?

11       **Q**            **As** to what level of reduction of cardiac  
12       function **it** would take to conduce the irreversible  
13       brain **damage** in a premature infant?

14       **A**            When?

15       **Q**            Two hours before birth.

16       **A**            No, **I** don't have an opinion,

17       **Q**            What about five **minutes after** birth?

18       **A**            Por how long?

19       **Q**            How long would **it** take?

20       **MR. PENICKr**

21                    Let me object to this line of questioning. **As**  
22       **I** understand the testimony, **it** depends upon a number of  
23       variables. If **you change one** variable, then **you** chance

1 the other variables in the formula.

2 HR. XULLMAN:

3 Q Do you know the answer at any variable on a  
4 human infant?

5 MR. PENICK:

6 I object because the possibilities are  
7 infinite. If you have so many variables involved and  
8 you change one -- you change one 1 percent, 50 percent,  
9 98 percent and it changes the whole formula.

10 A I'm not trying to be difficult. The answer to  
11 you question is probably impossible. Because unless  
12 you sit down and give somebody a whole lot of data,  
13 they're going to have a difficult time giving you a  
14 length of time for the insult. All we can do is to  
15 tell you what's been some experimentally. And we try  
16 to make analogies, But that doesn't necessarily mean  
17 it's the same. Because fetal monkey data we have found  
18 to be certainly not consistent with human data. The  
19 beagle puppy is probably more consistent.

20 MR. KULLMAN:

21 Q What about rats?

22 A Rats certainly are not as consistent that you  
23 can translate the data equivocally. But you have to do

1 the best you can, We don't do experiments on fetuses.

2 Q And isn't it true, Doctor, that even among  
3 premature infants, there's variability as to the dasage  
4 that occurs?

5 A That's just such a broad question, What do  
6 you mean variability? What kind of damage and what  
7 kind of prematures and what's the matter with them?

8 Q Would you admit, sir, that you could apply the  
9 exact same insult in terms of hypoxia and ischemia to  
10 two different 35-week premature fetuses and get  
11 different results in terns of brain damage?

12 A What type of results? What's different?

13 Q Would you admit, sir, that based upon your  
14 experience, that there are so many factors involved  
15 with the human body, that this host variability that  
16 you don't understand produces different results in  
17 seemingly similar cases?

18 MR. PENICK;

19 Object to the form of the question, You can  
20 answer it if you can.

21 A You know, again, it's a very broad general  
22 question, totally unrelated to this situation. And you  
23 certainly -- not one type of brain damage is absolutely

1 and unequivocally neuron to neuron similar, But they  
2 are similar enough and we do have pathological studies  
3 enough to date the time of insults, And this  
4 particular situation with this infant, with this  
5 clinical finding, with this CT scan, with this  
6 development, we can say within a reasonable medical  
7 probability that this insult did not occur two hours  
8 prior to delivery and was on a chronic basis at some  
9 time in the past.

10 Q Can you identify for me any reported study  
11 that dates the time of insult as you say you've done in  
12 this case?

13 A I've tried to tell you that that's required 15  
14 years of study and review. And it's in the literature,  
15 I'm not here to do your research.

16 Q My question is can you identify for me or for  
17 Mr. Penick any study in the reported literature that  
18 supports your statement --

19 A I'll do my best,

20 Q -- that dates the time of an Insult as you've  
21 done in this case?

22 A I'll do my best.

23 Q Can you do that, sir, now?

1       A           Right this moment? I'll have to go back and  
2       look through the articles,

3       Q           Can **you** now identify for us any study which  
4       supports **your** statement that **you** can date the time of  
5       an insult **as** you've done in this case?

6       A           As I've told you, I will do my best to provide  
7       that for you.

8       Q           The answer **is** **you** cannot identify such a  
9       statement now?

10      A           No, I didn't say that. The answer **is** that I  
11      will do my **best** to provide **it** for **you**.

12      Q           Can you ~~do~~ **it** now?

13      A           I can't do ~~it right~~ at this moment sitting in  
14      this room, no.

15      Q           Do you intend to do **so**?

16      MR. PENICKr

17                   Just **a** minute. That's not a question for him  
18      **but** for **ma**, **You** don't have to answer **that** question.  
19      The **doctor** **is** not under any obligation to do **medical**  
20      research **for** you.

21      MR. KULLMAN:

22                   I understand that. I'm asking him **if** he  
23      intends to do **it** **as** an expert witness **appearing** in this



1 case ,

2 MR. PENICK!

3 That's a matter for us to talk about. And I  
4 don't think that's a proper question,

5 MR. KULLMAN:

6 Q Do **you** want to answer the question, Doctor?

7 MR. PENICK:

8 You don't have to answer the question, Doctor.  
9 In fact, I am instructing **you** not to answer the  
10 question,

11 (A DISCUSSION WAS HELD OFF THE  
12 RECORD. )

13 MR. KULLMAN:

14 Q Doctor, the second half of the sentence we're  
15 working on here says --

16 A Which article are **you** referring to?

17 Q I'm referring to your letter of October 16th,  
18 1985, to Mr. Penick. You say: Due to hypoxic  
19 metabolic damage and possibly subsequent hyperoxia.  
20 What do you mean by that?

21 A Well, again, we've **already** talked about that,  
22 Some people and some investigators **feel** that just  
23 selective neuronal necrosis in general is thought to be

1 possibly related to a damage occurring and aggravating  
2 or an existing damage after birth due to high  
3 concentrations of oxygen. Now, I don't know enough  
4 about that to tell you anything more than that.

5 Q Who holds that view?

6 A I think Winkel, W-I-N-K-E-L, is the author  
7 that holds that view.

8 Q What is his specialty?

9 A I think he's a biochemist and a lipid chemist.

10 Q And he believes that this pattern of neuronal  
11 necrosis occurs after birth?

12 A No. You're twisting my words again. That  
13 hyperoxia can cause neuronal damage, Be's not saying  
14 that it occurs after birth but it can contribute to the  
15 problem after birth.

16 Q And produce this pattern that you've  
17 described?

18 A The pattern is already existing at birth. It  
19 can make it considerably worse.

20 3 Mr. Winkel said that -- or Dr. Winkel. said  
21 :hat?

? A Yes.

4 Q Where docs he say that?

1 A I don't know.

2 Q Is it in a journal or a book?

3 A It's in one of the Journal of Clinical  
4 Chemistries, It's been a long time.

5 Q The next sentence says: The sequence of  
6 events in this particular child is entirely consistent  
7 with the documented evidence in the child's chart,  
8 X-rays and laboratory studies. What do you mean by  
9 that?

10 A Well, again, as we've been talking about for a  
11 period of time, that if you take the child's chart,  
12 meaning giving you the gestation, the fact the child's  
13 premature, the fact that the child was breech, the fact  
14 that the mother had bleeding at nine days before, the  
15 clinical examination at birth, the subsequent  
16 examination at birth and the examination that I  
17 performed personally, the CT scans in sequence and the  
18 laboratory studies excluding other probabilities, that  
19 this child indeed had a significant intrauterine  
20 hypoxic insult at some time before birth.

21 Q That's what you mean by the sequence of  
22 events?

23 A Yes .

1 Q That he suffered a significant hypoxic insult  
2 sometime before birth?

3 A That's correct.

4 Q Then it says semicolon, quote: "Indeed the  
5 history and clinical presentation of this infant  
6 results **from a** prior brain stem lesion and not  
7 intrapartum acute asphyxia." What does that mean?

8 A That means that the difficulty this child **had**  
9 right at birth with respirations and with sucking and  
10 swallowing which was documented by the physicians **was**  
11 due to the fact that the brain stem was injured prior  
12 to birth, It's not due to the result of something that  
13 occurred an hour or two before delivery. And that's  
14 fairly characteristic of these type of infants,

15 Q The prior brain stem lesion you're speaking of  
16 resulted from lack of oxygen?

17 A That's correct,

18 Q Isn't intrapartum acute asphyxia, doesn't that  
19 cause lack of oxygen?

20 A It can. It can also be due to ischemia.

21 Q **So** intrapartum acute asphyxia **is** what you  
22 think caused the brain stem lesion?

23 A No. It says not, doesn't it? Let me **read it**

1 again for you: Indeed the history and clinical  
2 presentation of this infant results from a prior brain  
3 stem lesion and not intrapartum acute asphyxia.

4 Q It's your statement, then, that it was  
5 intrauterine acute asphyxia that caused the brain stem  
6 lesion?

7 A Didn't I just say that, that it did not?

8 Q I'm saying intrauterine acute asphyxia --

9 A Did not cause it. That's what the statement  
10 says,

11 Q Well, Doctor, you have testified previously,  
12 haven't you, that you believe that the child's brain  
13 stem lesion resulted from a hypoxia; is that correct?

14 A That's right.

15 Q What's the difference between hypoxia in that  
16 context and asphyxia?

17 A Hypoxia is different from asphyxia.

18 Q How?

19 A Well, the definition of hypoxia is decreased  
20 oxygen. Asphyxia is decreased oxygen, increased PCO2  
21 and acidosis.

22 Q Does asphyxia cause a brain stem lesion in a  
23 premature infant?

•

1 A Now two hours prior to delivery, no.

2 Q I'm now saying what, sir. Mother's

3 intracranial asphyxia caused a brain stem lesion in a  
4 premature infant?

5 A Not acutely.

6 Q What do you mean now acutely?

7 A Well, I'm trying to be patient and explain  
8 this time and time again. We've already stated that  
9 acutely means during the time of labor and delivery.

10 Q That's all you mean by acutely?

11 A That's right.

12 Q Isn't it true, sir, that a brief period of  
13 intrauterine asphyxia can cause a brain stem lesion?

14 Q When?

15 A In the premature infant.

16 A But when?

17 Q Intracutaneous.

18 A But when intracutaneous?

19 Q Any time before birth.

20 A Well, I don't know about what. Hypothetically

21 I think anything is possible. And yes, I think brain  
22 stem lesions can occur. But not this type of brain

23 stem lesion, not this clinical presentation, not this

1       infant.

2       Q           Why can the brain stem lesion occur nine or  
3       ten days before birth and not later?

4       A           What do you mean why?

5       Q           Why can lack of oxygen cause this type of  
6       brain stem lesion only nine or ten days before birth?

7       A           I didn't say only nine or ten days. It could  
8       have been five, it could have been ten, it could have  
9       been *two* weeks.

10      Q           What about four?

11      A           Four would be stretching it, The pathologic  
12      changes are usually three to five days.

13      Q           What pathologic changes are you talking about?

14      A           Microglia proliferation, fibrillary  
15      astrocytosis and necrosis.

16      Q           Do you know that this child suffered any of  
17      those pathologic changes?

18      A           I think that one can say within a reasonable  
19      degree of medical probability, based on the physical  
20      examination and the CT scan, that that occurred.

21      Q           Do you know that any of those pathological  
22      changes occurred?

23      A           Well, I haven't autopsied the child nor have a

1 brain stem preparation. But we don't do that in  
2 medicine. We go by the laboratory findings, what is  
3 consistent, what looks like similar situations and then  
4 what is correlated pathologically with other cases.  
5 That's the way medicine is practiced, Mr. Kullman.

6 Q Exactly. And without actually looking at the  
7 brain stem, you don't know that any of that pathology  
8 has occurred?

9 A If you're going on that assumption, then  
10 nobody knows anything in medicine. But we try to make  
11 reasonable assumptions based on accurate data, clinical  
12 descriptions, laboratory studies and sequence of events  
13 in children and adults to make these otatenents.

14 Q And is it your testimony that you know that  
15 those lesions or you think those lesions exist today?

16 A Yes.

17 Q On the basis of your clinical examination of  
18 the patient?

19 A On the basis of the history, the gestation,  
20 the problem ut birth, the physical exanination, the  
21 subsequent development, my examination, the CT scan and  
22 the laboratory reports that exclude other problem.

23 Q And isn't it true, sir, that those



1 pathological changes would produce the exact same  
2 evidence in terms of this child's presentation if those  
3 pathologic changes occurred in the five days after  
4 birth?

5 A No, that's not true.

6 Q You would see the same pattern of neuronal  
7 necrosis, wouldn't you?

8 A No, I don't think so. I just don't know.  
9 There are a lot of factors that change five days after  
10 birth. So we'd have to make certain, You could surely  
11 have some brain stem impairment, But it's a different  
12 ballgame. It's a different set of clinical problems.

13 Q Do you have any studies to support that  
14 distinction?

15 A Yeah. Usually these children first of all are  
16 out of the uterus. That changes the whole ballgame.  
17 You're supporting things artificially. And you're  
18 having increased oxygen concentrations, you're having  
19 ventilators, you're having changes in brain  
20 autoregulation, you're having hypobilirubinemia,  
21 hypocalcium. All these factors are terribly important.  
22 And --

23 a And all of them can cause --

1 MR. PENICK:

2 Wait. Go ahead and finish.

3 A All of these factors are terribly important.  
4 Now, the reason that I make these statements and make  
5 them with a good degree of confidence is that this is  
6 the type of situation that we see. We don't see this  
7 in a five-day-old infant. We see this in a baby that  
8 is born that's had a preexisting insult which is  
9 documented by similar pathologic studies in terms of  
10 the timing.

11 MR. KULLMAN:

12 Q What don't you see in a five-day-old infant?

13 A You don't see this type of problem in terms of  
14 this sequence of events,

15 Q What are you talking about? What type of  
16 problem?

17 A I don't know how to answer it any differently.  
18 I mean, I can't answer it any other way,

19 Q You have said that it takes five days to  
20 produce the neuronal necrosis that you've described; is  
21 that correct?

22 A Uh-huh (positive response,)

23 Three to five days?

1 A Yes.

2 Q It could not have happened?

3 A I think anything is possible. But again, you  
4 keep trying to isolate certain clinical factors and  
5 certain laboratory factors. And we don't practice  
6 medicine in isolation. And unless you're going to go  
7 with the whole clinical sequence and the whole  
8 spectrum, you cannot give your answer. I said anything  
9 is possible in isolation. But clinically and  
10 collectively speaking, that's not the case.

11 Q Doctor, have you looked at the first CT scan  
12 done on this child?

13 A Yes.

14 Q How do you interpret it?

15 A It's hard for me to interpret. It's an  
16 inadequate scan.

17 Q Have you interpreted it?

18 A I've looked at it. The problem is you can't  
19 see the brain stem and there's motion artifact, And I  
20 think the artifact of the encephalomalacia to the  
21 frontal area is not real. It's just the positioning.

22 Q Have you asked a neuroradiologist about that?

23 A I interpret my own scans. And I think I would

1       be probably more experienced in neonates than the  
2       neuroradiologists ■

3       Q           So you can't interpret it?

4       A           No. I said -- I've given you my  
5       interpretation; that you do not see the brain stem on  
6       the scan. And there's too much motion. And the  
7       contrast is not very good to be able to make any  
8       definitive statements about other parts of the brain.

9       Q           Given your testimony here today, what would  
10      you expect that CT scan to show?

11      A           You know, I can't tell you what I would expect  
12      unless we would see it. I would expect to see probably  
13      either to have no significant changes, depending on  
14      when the insult occurred. If it was five days before,  
15      then it might not be long enough to see changes. If it  
16      was ten days, you might see some changes. If it was  
17      two weeks, then most likely you would see perhaps a  
18      smaller atrophied brain stem And then also changes in  
19      the cortical area.

20      Q           What about one day?

21      A           -Probably wouldn't see any changes.

22      Q           What about the craniologist's data? Did you  
23      look at that?

1           A           Yes, I did have that. The ultrasound is far  
2           less sensitive than the CT scan, So if you can't make  
3           any Reads or tails out of the CT scan, the ultrasound,  
4           all it tells you is there's no hemorrhage.

5           Q           Did you interpret the ultrasound?

6           A           Yes.

7           Q           How did you interpret it?

8           A           As showing no hemorrhage.

9           Q           Anything else?

10          A           Anything else about what?

11          Q           From that ultrasound that you saw.

12          A           No.

13          Q           What about the EEGs? Did you examine those?

14          A           They were not provided for me. All I had was  
15          the report.

16          Q           What would you expect the EEGs to show?

17          A           It can be all the way from having no  
18          paroxysmal activity to showing a considerable amount.  
19          You know, it just depends, We see babies that have  
20          seizures all the time with, quote, "normal EEGs." But  
21          again? one has to reserve -- interpreting neonatal EEGs  
22          is extremely difficult. And one has to have an  
23          experienced individual interpreting them.

1 Q Are you able to interpret them?

2 A Yes.

3 Q But you were not provided them?

4 A I just didn't get it in the records. All I  
5 got was the report, I'd be more than happy if you  
6 would provide it for me.

7 Q Sure .

8 A What do you want me to do with this? It's  
9 going to take me awhile to interpret it.

10 Q Okay.

11 A I don't think we have enough time.

12 MR. KULLMAN:

13 Do you have copies of that?

14 MR. PENICK:

15 I think I have copies of this.

16 MR. KULLMAN:

17 That's all right. I'll just take thee back.

18 THE WITNESS:

19 We would like to get copies of it.

20 MR. KULLMAN:

21 He has it.

22 THE WITNESS:

23 I'm sorry. I just have not seen it.

1 Q How would you expect that the insult that  
2 you've described in this case would affect the fetal  
3 heart rate variability in this child?

4 MR. PENICK:

5 During labor?

6 MR. KULLMAN:

7 Yes.

8 A Probably wouldn't have any effect.

9 Q Why do you say that?

10 A Because you've had an insult that occurred in  
11 the past, either on a single basis, possibly a repeated  
12 basis. And heart rate is a very low brain stem  
13 function which is usually only changed unless there's  
14 something occurring -- excuse me. I lost my train of  
15 thought. The heart rate is a lower function. It's  
16 usually only near the time that the child is having  
17 serious significant problems that you would see  
18 changes. And that just did not occur.

19 Q You would not expect, then, that a chronically  
20 brain damaged infant would show lack of beat-to-beat  
21 variability in a fetal heart monitor tracing?

22 A It may or may not, In this particular  
23 situation, no, I would not.

1 Q What about lack of long term variability?  
2 would you expect to see that in a chronically brain  
3 damaged baby?

4 A Again, you're going to have to tell me what  
5 the chronically brain amaged baby is from. Is it from  
6 cytomegalovirus, herpes simplex, is it from  
7 thrombocytopenia with hemorrhage? It depends on what's  
8 going on.

9 Q Well, let's say from hypoxia.

10 A No. It depends on again the amount, the  
11 degree, the severity, the gestation. A whole lot of  
12 factors. But in this particular situation, I would not  
13 expect to see really any difference in the fetal heart  
14 tones.

15 Q What do you base that upon?

16 A Based on my knowledge, experience and review  
17 of the literature in similar situations.

18 Q Can you identify any similar situations you've  
19 looked at?

20 MR. PENICK:

21 I'm going to instruct him not to identify any  
22 patients by name.

23 A I couldn't do it anyway.



1 Q All of those are for St. Paul?

2 A NO.

3 Q The ones that you've consulted with St. Paul  
4 about --

5 A I just don't know, I don't have that  
6 information here,

7 MR. KULLMANT

8 I have no further questions.

9 MR. PENICK:

10 I don't have any questions.

11 (THE DEPOSITION OF ELXAS CHALEUB,

12 M.D., WAS CONCLUDED AT 3:10 P.M.)

13

14

15

16

17

18

19

20

21

22

23

1  
2  
3  
4  
5  
6  
7  
8  
9  
10  
11  
12  
13  
14  
15  
16  
17  
18  
19  
20  
21  
22  
23

C E R T I F I C A T E

I, ELIAS CRALHUB, MD, do hereby certify  
that on this \_\_\_\_ day of \_\_\_\_\_, 1985, I have  
read the foregoing transcript and to the best of my  
knowledge it constitutes a true and accurate transcript  
of my testfnony taken on oral examination on November  
5, 1985.

\_\_\_\_\_  
ELIAS CHALHUB, M.D.

Subscribed and sworn to  
before me on this \_\_\_\_ day  
of \_\_\_\_\_ 1985,

\_\_\_\_\_  
NOTARY PUBLIC

My Commission Expires:

LAW OFFICES

KIERR, GAINSBURGH, BENJAMIN, FALLON & LEWIS

SAMUEL C. GAINSBURGH\*

1718 FIRST NATIONAL BANK OF COMMERCE BUILDING

RAYMOND H. KIERR  
OF COUNSEL

JACK C. BENJAMIN\*

NEW ORLEANS 70112

ELDON C. FALLON\*

TELEPHONE (504) 522-2304

HARVEY J. LEWIS\*

ROBERT J. D. VIO

J. ROBERT A. ES\*

LAWRENCE S. KULLMAN

GERALD C. M. UNIER

NICK F. MORIEA JR.

BRONX, N.Y. 10458

JUDITH A. GAINSBURGH

FREDERICK A. L. HOMBERG

EDWARD P. GOTHARD

\*A PROFESSIONAL LAW CORPORATION

November 15, 1985

Robert Cunningham, Jr., Esq.  
Cunningham & Bounds  
Post Office Box 66705  
Mobile, Alabama 36660

Willard L. Mellwain, Jr., Esq.  
1041 West Alexander Street  
Post Office Box 558  
Greenville, Mississippi 38702-0558

Re: Ross Aaron Naquin

Dear Gentlemen:

I want to thank both of you for the help you've given me with respect to these two Mississippi experts, Drs. Morrison and Chalhub. I think they are both very formidable defense experts who are going to cause all of us a lot of trouble.

I wish I could tell you that I felt that I had done some damage to Dr. Chalhub when I deposed him . . . but I think I was the only one who suffered harm. If either one of you figure out a way to successfully cross-examine this guy, I certainly would appreciate your advice.

I am enclosing a copy of my deposition which I hope will be of some help to someone.

In my case, I plan to speak with Dr. Joseph Volpe with respect to Dr. Chalhub's testimony in the hopes of enlisting him as an expert rebuttal witness. Dr. Volpe is nationally known as a leading authority on perinatal hypoxic encephalopathy.

Sincerely,

Lawrence S. Kullman

LSK/cab  
Enclosure

1 A I don't know.

2 Q Is it in a journal or a book?

3 A It's in one of the Journal of Clinical  
4 Chemistr es. It's been a long time.

5 Q The next sentence saysr The sequence of  
6 events in this particular child is entirely consistent  
7 with the documented evidence in the child's chart,  
8 X-rays and laboratory studies. What do you mean by  
9 that?

10 A Well, again, as we've been talking about for a  
11 period of time, that if you take the child's chart,  
12 meaning giving you the gestatfon, the fact the child's  
13 premature, the fact that the child was breech, the fact  
14 that the mother had bleeding at nine days before, the  
15 clinical examination at birth, the subsequent  
16 exasination at birth and the examination that I  
17 performed personally, the CT scans in sequence and the  
18 laboratory studies excluding other probabilities, that  
19 this child indeed had a significant intrauterine  
20 hypoxic insult at some time before birth,

21 Q That's what you mean by the sequence of  
22 events?

23 A Yes.

1 Q That he suffered a significant hypoxic insult  
2 sometime before birth?

3 A That's correct,

4 Q Then it **says** semicolon, quote: 'Indeed the  
5 history and clinical presentation of this infant  
6 results from a prior brain stem lesion and not  
7 intrapartum acute asphyxia,' What **does** that mean?

8 A That means that the difficulty this child had  
9 right at birth with respirations and with sucking and  
10 swallowing which was documented by the physicians was  
11 due to the fact that the brain **stem was** injured prior  
12 to birth, It's not **due** to the result of something that  
13 occurred an hour or two before delivery. And that's  
14 fairly characteristic of these type of infants.

15 Q The prior brain stem lesion you're speaking of  
16 resulted from **lack** of oxygen?

17 A That's correct.

18 Q Isn't intrapartum acute asphyxia, doesn't that  
19 cause lack of oxygen?

20 A It can. It can also be due to ischemia.

21 Q **So** intrapartum acute asphyxia **is** what **you**  
22 think caused the brain stem lesion?

23 A No. It says not, doesn't it? Let me **read it**

1       again **for you:** Indeed the history and clinical  
2       presentation of this infant results from **a** prior brain  
3       stem lesion **and** not intrapartum acute asphyxia.

4       Q       **It's your** statement, then, that **it was**  
5       intrauterine acute asphyxia **that** caused the brain stem  
6       lesion?

7       A       Didn't **I just say** that, that **it did** not?

8       Q       **I'm** saying intrauterine acute asphyxia --

9       A       Did not cause **it**. That's what the statement  
10      **says.**

11      Q       Well, **Doctor,** you have testified previously,  
12      haven't you, that you **believe** that the child's brain  
13      stem lesion resulted **from** a hypoxia; **is** that correct?

14      A       That's right.

15      Q       What's the difference between hypoxia in that  
16      context **and** asphyxia?

17      A       Hypoxia **is** different from asphyxia,

18      Q       How?

19      A       Well, the definition of hypoxia **is** decreased  
20      oxygen. Asphyxia **is** decreased oxygen, **increased** PCO2  
21      and acidosis.

22      Q       Does asphyxia cause a brain stem lesion in **a**  
23      premature infant?

•

- 1       A           Not two hours prior to delivery, no.
- 2       Q           I'm not saying that,, **sir**. Doesn't
- 3       intrauterine asphyxia cause **a** brain stem lesion in **a**
- 4       premature infant?
- 5       A           Not acutely.
- 6       Q           What do you **mean not** acutely?
- 7       A           **Well**, I'm trying to **be** patient **and** explain
- 8       this time and time again. We've already stated that
- 9       acutely means during the time of **labor** and delivery.
- 10      Q           That's all **you** mean by acutely?
- 11      A           That's right,
- 12      Q           Isn't ~~it~~ true, air, that a brief **period**. of
- 13      intrauterine asphyxia can cause **a** brain **stem** lesion?
- 14      Q           When?
- 15      A           **In** the premature infant,
- 16      A           But when?
- 17      Q           Intrauterine,
- A           **But** when intrauterine?
- 19      Q           Any time **before** birth,
- 20      A           **Well**, I don't know about that. Hypothetically
- 21      I think anything **is possible**. And **yes**, I think brain
- 22      stem **lesions** can **occur**, But not this type of brain
- 23      **stem** lesion, not this clinical presentation, not this

1       **infant.**

2       **Q**           Why can the brain stem lesion occur nine or  
3       ten days before birth and not later?

4       **A**           What **do** you mean why?

5       **Q**           Why can **lack of oxygen** cause this type of  
6       brain stem lesion only nine or ten days before birth?

7       **A**           **I** didn't say only nine **or** ten days, **It** could  
8       have been five, **it** could have been ten, **it** could have  
9       been two weeks.

10      **Q**           What about four?

11      **A**           Pour would be stretching **it**. The pathologic  
12      changes are usually three to five days.

13      **Q**           What pathologic changes **are** you talking about?

14      **A**           Microglia proliferation, fibrillary  
15      astrocytosis and necrosis.

16      **Q**           Do you know that this child suffered any of  
17      those pathologic changes?

18      **A**           I think that one **can say** within a reasonable  
19      degree **of** medical probability, based on **the** physical  
20      examination and the CT scan, that that **occurred**,

21      **Q**           **Do you know** that any of those pathological  
22      changes occurred?

23                   Well, I haven't autopsied the child nor have a



1 brain stem preparation. But **ne** don't **do** that in  
2 medicine, **We go by** the laboratory findings, what is  
3 consistent, what **looks** like **similar** situations and then  
4 what **is** carrelated pathologically with other cases.  
5 That's **the** way medicine **is** practiced, **Mr. Kullman**.

6 Q Exactly, And without actually looking at the  
7 brain stem, you **don't know** that **any of** that pathology  
8 has occurred?

9 A If you're going on that **assumption**, than  
10 nobody **knows** anything in **medicine**. But we try to make  
11 reasonable **assumptions** based on accurate **data**, clinical  
12 descriptions, laboratory **studies** and sequence of events  
13 in **children** and adults to make **these** statements.

14 Q And **is it** your testimony that you know that  
15 those lesions or **you** think those lesions exist today?

16 A Yes.

17 Q On the **basis of** your clinical examination of  
18 the patient?

19 A On the basis of the history, the gestation,  
20 the problems at birth, **the** physical examination, the  
21 subsequent development, my examination, the CT scan and  
22 the laboratory reports that **exclude** other **problems**.

23 Q And isn't it true, **sir**, that those

1        pathological changes would produce the exact same  
2        evidence in terms of this child's presentation **if** those  
3        pathologic changes occurred in the **five** days after  
4        birth?

5        A            No, that's not true.

6        Q            You would ~~see~~ the same pattern of neuronal  
7        necrosis, wouldn't **you**?

8        A            No, I don't think **so**, I just **don't** know.  
9        There are a lot **of** factors that change five days after  
10       birth. **So we'd** have to **make** certain, **You** could surely  
11       have some brain ~~stem~~ impairment, **But** it's a different  
12       ballgame. It's a different set of clinical **problems**.

13       Q            Do you have any studies to **support** that  
14       distinction?

15       A            Yeah, Usually these children first of **all** are  
16       out of the uterus. That changes the whole ballgame.  
17       You're supporting things artificially. And you're  
18       having increased oxygen concentrations, you're having  
19       ventilators, you're having changes in brain  
20       autoregulation, **you're** having hypobilirubinemia,  
21       hypocalcium. **All** these factors are terribly important.  
22       And --

23       Q            And all of them can cause --

1 MR. PENICK:

2 Wait, Go ahead and finish.

3 A All of these factors are terribly important.  
4 Now, the reason that I make these statements and make  
5 them with a good degree of confidence is that this is  
6 the type of situation that we see. We don't see this  
7 in a five-day-old infant. We see this in a baby that  
8 is born that's had a preexisting insult which is  
9 documented by similar pathologic studies in terms of  
10 the timing.

11 MR. KULLMAN:

12 Q What don't you see in a five-day-old infant?

13 A You don't see this type of problem in terms of  
14 this sequence of events,

15 Q What are you talking about? What type of  
16 problem?

17 A I don't know how to answer it any differently.  
18 I mean, I can't answer it any other way.

19 Q You have said that it takes five days to  
20 produce the neuronal necrosis that you've described; is  
21 that correct?

22 A Uh-huh (positive response.)

23 Q Three to five Cays?

1 A Uh-huh (positive response.)

2 Q You base that, I take it, on studies that  
3 you've seen?

4 A Uh-huh (positive response.)

5 Q And I'll ask you this: Isn't it true -- and  
6 you said that that's due to lack of oxygen?

7 A That's what it's thought to be due to. There  
8 may be other factors involved,

9 Q That's what you think it's due to?

10 A That's what I think based on my knowledge and  
11 experience and review of the literature.

12 Q Exactly. And why wouldn't lack of oxygen on  
13 the day of birth produce those same or similar changes  
14 over the next three to five days as what you say  
15 occurred in the previous three to five days?

16 A Because this child was born with the problems.  
17 The child had the early seizures. The child had the  
18 brain stem involvement right at birth. He didn't have  
19 it five days later. He had it right at birth. That's  
20 why.

21 Q Is it your testimony that the child could not  
22 have had seizures shortly after birth from a hypoxic  
23 ischemic insult shortly before birth?

1       A       Yes.

2       Q       It could not have happened?

3       A       I think anything is possible. But again, you  
4       keep trying to isolate certain clinical factors and  
5       certain laboratory factors. And we don't practice  
6       medicine in isolation. And unless you're going to go  
7       with the whole clinical sequence and the whole  
8       spectrum, you cannot give your answer. I said anything  
9       is possible in isolation. But clinically and  
10      collectively speaking, that's not the case.

11      Q       Doctor, have you looked at the first CT scan  
12      done on this child?

13      A       Yes.

14      Q       How do you interpret it?

15      A       It's hard for me to interpret. It's an  
16      inadequate scan.

17      Q       Have you interpreted it?

18      A       I've looked at it. The problem is you can't  
19      see the brain stem and there's motion artifact. And I  
20      think the artifact of the encephalomalacia to the  
21      frontal area is not real. It's just the positioning.

22      Q       Have you asked a neuroradiologist about that?

23      A       I interpret my own scans. And I think I would

1 be probably **more** experienced in neonates than the  
2 neuroradiologists.

3 Q So you can't interpret it?

4 A No. I said -- I've given **you** my  
5 interpretation; that you **do** not see the brain stem on  
6 the scan. And there's **too** much motion. And the  
7 contrast **is** not **very good** to be able to make any  
8 definitive statements about other parts of the brain.

9 Q Given **your** testimony **here** today, what would  
10 you expect that CT scan to **show**?

11 A You know, I can't **tell** you what I would expect  
12 unless **we** would see **it**. I would expect **to see** probably  
13 either to have no significant changes, depending on  
14 when the insult occurred. **If it was** five **days** before,  
15 then **it** might not **be** long enough **to see** changes. **If it**  
16 **was** ten days, you might see **some** changes. **If it was**  
17 two weeks, then most **likely** you would **see** perhaps a  
18 smaller atrophied brain **stem** and then also changes **in**  
19 the cortical area.

20 Q What about **one** day?

21 A Probably wouldn't **see** any changes.

22 Q What about the **craniologist's** data? Did you  
23 look **at** that?

1       A           Yes, I did **have** that, The ultrasound is far  
2       less sensitive than the CT scan. **So** if **you** can't **make**  
3       any heads **or** tails out **of** the CT **scan**, the ultrasound,  
4       all it tells **you is** there's no hemorrhage,

5       Q           Did **you** interpret the ultrasound?

6       A           Yes,

7       Q           How did **you** interpret it?

8       A           As showing no hemorrhage.

9       Q           Anything **else**?

10      A           Anything else about what?

11      Q           From that ultrasound that **you** saw.

12      A           No.

13      Q           What about the **EEGs**? Did **you** **examine** those?

14      A           They **were** not provided for me. All I had **was**  
15      the report,

16      Q           What would you expect the **EEGs** to show?

17      A           It can **be** all the way from having no  
18      paroxysmal activity **to** showing a considerable **amount**.  
19      You know, **it** just depends. We see babies that have  
20      seizures **all** the time with, quote, "**normal EEGs**." But  
21      again, **one** has to reserve -- interpreting neonatal **EEGs**  
22      **is** extremely difficult. And one has to have an  
23      experienced individual interpreting them.

1 Q Are you able to interpret them?

2 A Yes.

3 Q But you were not provided them?

4 A I just didn't get it in the records, All I

5 got was the report, I'd be more than happy if you

6 would provide it for me.

7 Q Sure .

8 A What do you want me to do with this? It's

9 going to take me awhile to interpret it.

10 Q Okay.

11 A I don't think we have enough time,

12 MR. KULLMAN;

13 Do you Save copies of that?

14 MX. PENICK;

15 I think I have copies of this.

16 MR. KULLMAN;

17 That's all right. I'll just take them back.

18 THE WITNESS:

19 We would like to get copies of it.

20 MR. KULLMAN;

21 Be has it,

22 THE WITNESS:

23 I'm sorry. I just have not seen it.



1 Q How would you expect that the insult that  
2 you've described in this case would affect the fetal  
3 heart rate variability in this child?

4 MR. PENICK:

5 During labor?

6 MR. KULLMAN:

7 Yes.

8 A Probably wouldn't have any effect.

9 Q Why do you say that?

10 A Because you've had an insult that occurred in  
11 the past, either on a single basis, possibly a repeated  
12 basis. And heart rate is a very low brain stem  
13 function which is usually only changed unless there's  
14 something occurring -- excuse me. I lost my train of  
15 thought. The heart rate is a lower function. It's  
16 usually only near the time that the child is having  
17 serious significant problems that you would see  
18 changes. And that just did not occur.

19 Q You would not expect, then, that a chronically  
20 brain damaged infant would show lack of beat-to-beat  
21 variability in a fetal heart monitor tracing?

22 A It may or may not. In this particular  
23 situation, no, I would not.

1 Q What about lack of long term variability?  
2 Would you *expect* to see that in a chronically brain  
3 damaged baby?

4 A Again, you're going to have to tell me what  
5 the chronically brain damaged baby is from. Is it from  
6 cytomegalovirus, herpes simplex, is it from  
7 thrombocytopenia with hemorrhage? It depends on what's  
8 going on.

9 Q Well, let's say from hypoxia.

10 A No. It depend8 on again the amount, the  
11 degree, the severity, the gestation. A whole lot of  
12 factors. But in this particular situation, I would not  
13 expect to see really any difference in the fetal heart  
14 tones.

15 Q What do you base that upon?

16 A Based on my knowledge, experience and review  
17 of the literature in similar situations.

18 Q Can you identify any similar situations you've  
19 looked at?

20 MR. PENICK:

21 I'm going to instruct him not to identify any  
22 patients by name.

23 A I couldn't do it anyway.

1       MR. KULLMAN:

2       Q           Do you agree wftth the diagnosis on the Baptist  
3       Hospital record of hypoxic ischemic encephalopathy in  
4       this case?

5       A           I think the child **has a** hypoxic encephalopathy  
6       and probably **does** have ischemia. But the question is  
7       when the ischemia occurred, I also agree with the  
8       **diagnosis** of brain stem neuronal necrosis.

9       Q           What **is** the evfdence **of** ischemia?

10      A           I mean, I don't have any evidence **of** ischemia.

11      Q           Why do you say **it** probably occurred?

12      A           After birth.

13      Q           Why do you say that?

14      A           Because **the** child had evidence of **shock**, had  
15      evidence of apnea, bradycardia. And mare than likely  
16      associated with that was decreased cerebral **blood flow**.  
17      **And also had** hyaline membrane disease.

18      Q           Do you have an opinion about the actions of  
19      the obstetrician, Dr. Moorsan, in this case?

20      A           **No .**

21      Q           Have you **ever** expressed an opinion to Mr.  
22      Penick or any other representatives of **St. Paul** with  
23      respect to the conduct of Dr. **Moorman** in this case?

1           A           No.

2           Q           In the other cases that you've looked at for  
3           St. Paul, have you expressed an opinion as to the  
4           standard of care of the physicians?

5           A           It depends on the case and whether I think  
6           that I'm competent with that set of circumstances to  
7           make a judgment,

8           Q           Have you in the cases that you've looked at  
9           for St. Paul?

10          A           Again, it depends on the case. If it's a case  
11          in which I consider myself an expert and competent,  
12          then I would make that statement.

13          Q           Did you?

14          A           I'm sure I have. I just don't remember the  
15          ones -- do you have one in mind? I'll be glad to see  
16          if I can remember.

17          Q           I take it you don't remember?

18          A           Well, I mean, I don't remember which one  
19          you're talking about,

20          Q           How many have there been?

21          A           You know, as I told you, I give anywhere from  
22          three to five depositions a year. So I can't tell you  
23          which ones are which.

1 Q All of those are for St. Paul?

2 A No.

3 Q The ones that you've consulted with St. Paul  
4 about --

5 A I just don't know. I don't have that  
6 information here.

7 MR. KULLMAN;

8 I have no further questions.

9 MR. PENICK;

10 I don't have any questions.

11 (THE DEPOSITION OF ELIAS CHALHUB,  
12 M.D., WAS CONCLUDED AT 3:10 P.M.)

13

14

15

16

17

18

19

20

21

22

23

1 DEPOSITION OF ELIAS CHALHUB, M.D.


2  
3 C E R T I F I C A T E

4 STATE OF ALABAMA)

5 COUNTY OF MOBILE)

6  
7 I do hereby certify that the above and  
8 foregoing transcript *of* proceedings in the matter  
9 aforementioned **was** taken down by **me in** machine  
10 shorthand, **and** the questions and answers thereto were  
11 reduced to writing under my personal supervision, and  
12 that the foregoing represents **a** true and **correct**  
13 transcript of the proceedings given by said witness  
14 upon said hearing.

15  
16 I further certify that I am neither of counsel  
17 nor **of** kin to the parties to the action, nor **am** I in  
18 **anywise** interest in the result of said cause.

19   
20 DEBRA AMOS ISBELL, R.P.R.  
21 COURT REPORTER, NOTARY PUBLIC  
22 STATE OF ALABAMA AT LARGE'

23 My Commission Expires: 10/1/88

1 DEPOSITION OF ELIAS CHALHUB, M.D.


2  
3 C E R T I F I C A T E

4 STATE OF ALABAMA)

5 COUNTY OF MOBILE)

6  
7 I do hereby certify that the above and  
8 foregoing transcript of proceedings in the matter  
9 aforementioned was taken down by me in machine  
10 shorthand, and the questions and answers thereto were  
11 reduced to writing under my personal supervision, and  
12 that the foregoing represents a true and correct  
13 transcript of the proceedings given by said witness  
14 upon said hearing,

15  
16 I further certify that I am neither of counsel  
17 nor of kin to the parties to the action, nor am I in  
18 anywise interest in the result of said causa.

19   
20 DEBRA AMOS ISBELL, R.P.R.  
21 COURT REPORTER, NOTARY PUBLIC  
22 STATE OF ALABAMA AT LARGE

23 My Commission Expires: 10/1/88

C E R T I F I C A T E

I, ELIAS CHALHUB, M.D., do hereby certify  
that on this \_\_\_\_ day of \_\_\_\_\_, 1985, I have  
read the foregoing transcript and to the best of my  
knowledge it constitutes a true and accurate transcript  
of my testimony taken on oral examination on November  
5, 1985.

\_\_\_\_\_  
ELIAS CHALHUB, M.D.

Subscribed and sworn to  
before me on this \_\_\_\_ day  
of \_\_\_\_\_ 1985.

\_\_\_\_\_  
NOTARY PUBLIC

My Commission Expires:



LAW OFFICES

KIERR, GAINSBURGH, BENJAMIN, FALLON & LEWIS

SAMUEL C. GAINSBURGH\*  
JACK C. BENJAMIN\*  
ELDON C. FALLON\*  
HARVEY J. LEWIS\*  
ROBERT J. DAVID  
J. ROBERT ATES\*  
LAWRENCE S. KULLMAN  
GERALD E. MEUNIER  
NICK F. NORIEA JR.  
IRVING J. WARSHAUER  
JUDITH A. GAINSBURGH  
FREDERICKA L. HOMBERG  
EDWARD G. GOTHARD

1718 FIRST NATIONAL BANK OF COMMERCE BUILDING

NEW ORLEANS 70112

TELEPHONE (504) 522-2304

RAYMOND H. KIERR  
OF COUNSEL

November 15, 1983

Robert Cunningham, Jr., Esq.  
Cunningham & Bounds  
Post Office Box 66705  
Mobile, Alabama 36660

Willard L. McIlwain, Jr., Esq.  
1041 West Alexander Street  
Post Office Box 558  
Greenville, Mississippi 38702-0558

Re: Ross Aaron Naquin

Dear Gentlemen:

I want to thank both of **you** for the help you've given me with respect to these two Mississippi experts, Drs. Morrison and Chalhub. I think they are both very formidable defense experts who are going to cause all of us alot of trouble.

I wish I could tell you that I felt that I had done some damage to Dr. Chalhub when I deposed him . . . but I think I **was** the only one who suffered harm. If either one of you figure out a way to successfully cross-examine this guy, I certainly would appreciate your advice.

I am enclosing a copy of my deposition which I hope will be of some help to someone.

In my **case**, I plan to speak with Dr. Joseph Volpe with respect to Dr. Chalhub's testimony in the hopes of enlisting him as an expert rebuttal witness. Dr. Volpe is nationally known **as** a leading authority on perinatal hypoxic encephalopathy.

Sincerely,

  
Lawrence S. Kullman

LSK/cab  
Enclosure

*Chalhub  
File*