

CUYAHOGA COUNTY, OHIO

Plaintiff,

- VS -

JUDGE MCGINTY  
CASE NO. 374136

Defendants.

**00000**      **00000**      **00000**      **00000**

Deposition of STEPHEN LAI-TIEN CHENG, M.D., taken as if upon cross-examination before Laura L. Ware, a Notary Public within and for the State of Ohio, at the offices of Mazanec, Raskin & Ryder, 100 Franklin's Row, 34305 Solon Road, Solon, Ohio, at 1:50 p.m. on Thursday, June 24, 1999, pursuant to notice and/or stipulations of counsel, on behalf of the Plaintiff in this cause.

Results	Comments	Impact	Impacts
---------	----------	--------	---------

WARE REPORTING SERVICE  
3860 WOOSTER ROAD  
ROCKY RIVER, OH 44116  
(216) 533-7606 FAX (440) 333-0745

APPEARANCES:

Mark W. Ruf, Esq.  
Hoyt Block Building, Suite 300  
700 West St. Clair Avenue  
Cleveland, Ohio 44113  
(216) 687-1999,

On behalf of the Plaintiff;

Douglas G. Leak, Esq.  
Mazanec, Raskin & Ryder  
100 Franklin's Row  
34305 Solon Road  
Cleveland, Ohio 44139  
(440) 248-7906,

On behalf of the Defendant  
Kaiser Foundation Health Plan of Ohio;

Victoria L. Vance, Esq.  
Arter & Hadden  
1100 Huntington Building  
925 Euclid Avenue  
Cleveland, Ohio 44115  
(216) 696-1100,

On behalf of the Defendant  
The Cleveland Clinic Foundation.

W I T N E S S I N D E X

	<u>PAGE</u>
CROSS-EXAMINATION BY MR. RUF	3
CROSS-EXAMINATION BY MS. VANCE	45
RECROSS-EXAMINATION BY MR. RUF	57

E X H I B I T I N D E X

	<u>PAGE</u>
Plaintiff's Exhibits 1 through 26	3
Plaintiff's Exhibit 27	21

1 - - - -  
2 (Thereupon, Plaintiff's Exhibits 1  
3 through 26 were mark'd for purposes of  
4 identification.)  
5 - - - -

6 STEPHEN LAI-TIEN CHENG, M.D., of lawful age,  
7 called by the Plaintiff for the purpose of  
8 cross-examination, as provided by the Rules of Civil  
9 Procedure, being by me first duly sworn, as  
10 hereinafter certified, deposed and said as follows:

11 CROSS-EXAMINATION OF STEPHEN LAI-TIEN CHENG, M.D.

12 BY MR. RUF:

13 Q. Could you state your name and spell your name.

14 A. Steven Lai-Tien Cheng, S-T-E-P-H-E-N, L-A-I, dash,  
15 T-I-E-N, C-H-E-N-G.

16 Q. What is your professional address, Dr. Cheng?

17 A. 12301 Snow Road in Parma, Ohio, I believe. I have  
18 to check my card. That's right, Parma, Ohio.

19 Q. Doctor, my name is Mark Ruf. I'm representing  
20 Rodney McClendon in a lawsuit that's been brought  
21 against Kaiser and the Cleveland Clinic.

22 If at any time I ask you a question and you do  
23 not understand my question, please tell me. If you  
24 give me an answer to a question, I'll assume that  
25 you have understood the question. Okay?

1 A. Fine.

2 Q. Are you currently licensed to practice medicine in  
3 the State of Ohio?

4 A. I am.

5 Q. When were you licensed?

6 A. I believe I was licensed in 1996. I would have to  
7 check the records.

8 Q. Has your license ever been subject to any type of  
9 disciplinary action?

10 A. No.

11 Q. Do you specialize in any area of medicine?

12 A. I specialize in orthopedic surgery.

13 Q. Did you do an internship and residency in orthopedic  
14 surgery?

15 A. I did a general comprehensive internship, I did an  
16 orthopedic surgery residency.

17 Q. Where did you do both of those?

18 A. The internship was done at Toronto Hospital, the  
19 residency was at the University of Toronto  
20 Orthopedic Program.

21 Q. When did you finish your residency?

22 A. 1994.

23 Q. Who were you employed by after your residency?

24 A. After my residency I spent a year at the University  
25 of Toronto, Sunnybrook Science Center, I followed an

1 additional year at the Mayo Clinic and subsequent to  
2 that I've been employed by the Ohio Permanente  
3 Medical Group here in Cleveland.

4 Q. What were your fellowships in?

5 A. The first fellowship was in upper extremity surgery  
6 and trauma surgery, the second fellowship was in  
7 adult reconstructive surgery with emphasis on the  
8 upper extremity.

9 Q. And you've been employed by Ohio Permanente Medical  
10 Group?

11 A. Medical group.

12 Q. Since 1996?

13 A. Yes.

14 Q. Do you only have one employer or more than one?

15 A. Currently?

16 Q. Yes.

17 A. Yes, one.

18 Q. From 1996 to the present have you only had one  
19 employer?

20 A. Yes.

21 Q. What is your position with Ohio Permanente Medical  
22 Group?

23 A. I'm an orthopedic surgeon.

24 Q. Do you receive a salary from Ohio Permanente Medical  
25 Group?

1 A. Yes.

2 Q. How many times did you see Rodney McClendon as a  
3 patient?

4 MR. LEAK: We'll work off of that one.

5 THE WITNESS: Why don't you double  
6 check your note. I haven't tallied it myself.

7 A. I've got five, I think.

8 MR. RUF: Let's go off the record one  
9 minute.

10 - - - -

11 (Thereupon, a discussion was had off  
12 the record.)

13 - - - -

14 MR. RUF: Let's go back on the record.

15 Q. How many times did you see Rodney McClendon as a  
16 patient?

17 A. According to my records in front of me, five times.

18 Q. I have marked Plaintiff's Exhibits 16 through 26.

19 Are those the records that reflect the times you saw  
20 Mr. McClendon?

21 A. They do appear to be.

22 Q. Are there any additional records that would reflect  
23 the times that you saw Mr. McClendon?

24 A. I'm not aware of any.

25 Q. What was the first date that you saw Mr. McClendon?

1 A. I have a clinic office note from the 8th of April of  
2 1998. I believe that reflects my first visit.

3 Q. On April 8th did you perform a detailed history and  
4 physical examination?

5 A. Mr. McClendon presented for a problem with his  
6 elbows and I asked him about his elbows, examined  
7 him and made an assessment.

8 Q. Could you take a look at Plaintiff's Exhibit 17.  
9 Down at the bottom do you agree that history  
10 detailed is checked, exam detailed is checked and  
11 decision moderate complexity is checked?

12 A. They are checked.

13 Q. Do you know who marked in history, exam and  
14 decision?

15 A. I did.

16 Q. Why did you mark detailed under history, detailed  
17 under exam and moderate complexity under decision?

18 A. These are bubble sheets that we use for purposes of  
19 internal coding of complexity of examination,  
20 history and decision making.

21 Q. Why did you mark moderate complexity for decision  
22 making?

23 A. That was my decision on the complexity of the  
24 decision making.

25 Q. Why was that opinion -- what was your thinking?

1 A. My thinking is this gentleman had a problem with his  
2 radial head fractures and required my treatment, and  
3 I felt that was a situation of not high complexity  
4 nor low complexity, nor was it straightforward, so I  
5 checked the moderate complexity.

6 Q. Was the decision as how to treat him of moderate  
7 complexity?

8 A. In the scheme of decisions I make in the course of  
9 my practice in the office, this would be one of the  
10 more moderate complexity decisions.

11 Q. Why was it of moderate complexity as opposed to  
12 straightforward?

13 A. Because it is not the most straightforward decision  
14 I make in an office.

15 Q. Is that because some healing had occurred for his  
16 fractures by April 8th?

17 A. That's because, taking the entire situation, the  
18 entire problem that he had, my judgment was that it  
19 was a moderate complexity situation. This is not a  
20 black or white, pregnant versus nonpregnant decision  
21 making algorithm. This is a subjective decision.

22 Q. What were the factors that made it of moderate  
23 complexity as opposed to straightforward?

24 A. The factors involve the limb, the joint, the natural  
25 history of problems of this joint, the age of the



1 patient, the dominance of the patient, the  
2 occupation of the patient, the findings on  
3 examination, the radiographic findings. I may have  
4 missed one.

5 Q. Let me ask you first about Plaintiff's Exhibit 16.  
6 Who wrote at the top constant complaint bilateral  
7 elbows, date of injury 2-6-98?

8 A. Let me correct you. The C-O-N-S, the first word is  
9 the abbreviation we use in the office for  
10 consultation, which implies first visit. To answer  
11 your question directly, this is written by the nurse  
12 that works with me that day or the LPN.

13 Q. What history did you obtain from Rodney McClendon?

14 A. A review of my notes from April the 8th, he reported  
15 to me that he was a 43-year-old gentleman who was  
16 injured on the 6th of February of 1998. He stated  
17 that he had jumped over a railing and he tripped and  
18 he fell on both of his outstretched hands. He had  
19 pain in the elbow and was seeing me for that. He  
20 was initially seen and treated in the emergency  
21 room, was diagnosed as having strains and treated  
22 with splints. And at the point he was seeing me,  
23 his pain had settled down, he had very little night  
24 pain. And that's it.

25 Q. When you first saw him on April 8th, did you

1 retrieve any of the previous Kaiser records?

2 A. I would have reviewed those records.

3 Q. Did you review those on the Kaiser computer or did  
4 you obtain hard copies of the records?

5 A. At this point in time it's difficult for me to  
6 remember a single case that I saw over a year ago to  
7 report specifically to you. Both of those are  
8 available to me. I would have used one or both of  
9 those methods to obtain the information I needed.

10 Q. Is it your regular practice to review the past  
11 medical records of the patient?

12 A. I review the past medical records as I feel it's  
13 indicated in relevance to the patient's problems.

14 Q. Was the reference to the emergency room visit made  
15 based on your discussion with Rodney McClendon or  
16 your review of the previous medical records?

17 A. That I do not recall.

18 Q. To your knowledge had he had constant bilateral  
19 elbow pain since February 6th, 1998?

20 A. My impression was that he had pain from the time of  
21 injury until the time I saw him and that the pain  
22 had decreased somewhat at the time that I saw him on  
23 the 8th of April.

24 Q. Could you characterize the type of pain he was  
25 having; was it shooting pain, dull ache?

1 A. I don't have any notes that comment on the character  
2 of the pain in my notes, so I would not be able to  
3 do that.

4 Q. In what specific area was he having pain?

5 A. In the elbows.

6 Q. Did the pain radiate from some area to another  
7 area?

8 A. Not that I have documented.

9 Q. Based on your history, did you take a physical  
10 exam?

11 A. I did examine him. It wasn't based on the history  
12 though.

13 Q. What did your physical exam reveal?

14 A. Again, reviewing and reporting from my notes of the  
15 8th of April, I found that he did not have any  
16 tenderness on the medial aspect of his elbow, he had  
17 slight tenderness laterally, that would be the outer  
18 part of the elbow, there was no effusion or fluid in  
19 the joint. I documented his range of motion of both  
20 elbows.

21 Q. And what was the range of motion?

22 A. The right elbow flexed from 30 degrees on to 105  
23 degrees. The left elbow flexed from 30 degrees to  
24 105 degrees and the forearm rotation, which we call  
25 pronation, supination, was nearly full. I have in

1 my notes that it was a few degrees less than full.

2 Q. Was the range of motion of both the right and left  
3 elbows a full range of motion or a reduced range of  
4 motion?

5 A. This is less than a full range of motion.

6 Q. What is a full range of motion for each elbow?

7 A. A full range of motion depends on the individual.

8 There are people who have more motion and less  
9 motion, just like there are short and tall  
10 individuals.

11 Q. But generally what is the normal range?

12 A. In general, people can nearly straighten their arm  
13 or can hyperextend or straighten their arm more.  
14 That would be a range of motion that would be close  
15 to zero with some variation, and typically people  
16 can flex to at least 130 to 135 degrees, but again,  
17 it depends on the individual, the body habitus, the  
18 age, a number of factors.

19 Q. Did Rodney McClendon have pain during the range of  
20 motion examination?

21 A. He did have pain.

22 Q. What else did your physical examination reveal?

23 A. My notes reflect I examined his wrist. His left  
24 wrist was not tender. His right wrist was tender in  
25 the volar aspect of the wrist but not the dorsal

1 aspect, nor the distal radial ulnar joint. The  
2 joint itself was stable. There was a slight click  
3 with a radial deviation, no pain with ulnar  
4 deviation. There was some bilateral laxity of the  
5 wrist joints and the neurologic examination and the  
6 vascular examination was intact, meaning normal.

7 Q. Did you just read into the record all of the  
8 information before the line starting with X-R?

9 A. Yes.

10 Q. Above that what's the notation?

11 A. The line directly above X-R?

12 Q. Yes.

13 A. That's N-V-I, which stands for neurovascular  
14 intact.

15 Q. What about the line above that?

16 A. That's his mid carpal versus radial carpal laxity  
17 bilaterally.

18 Q. Could you read into the record the rest of your note  
19 for 4-8?

20 A. Sure. The x-rays, I reviewed the x-rays and the  
21 ones from February the 6th from the emergency room  
22 were bilateral and wrist x-rays were negative for  
23 fracture and there was no -- negative fat pads on  
24 the forearm views and noted the left forearm was an  
25 ulnar positive variance.

1           The x-rays from 3-31 were reviewed and these  
2           were elbow x-rays of the right. There was minimally  
3           displaced fracture with two millimeter step Mason,  
4           M-A-S-O-N, one fracture is the classification system  
5           that is sometimes used for these types of  
6           fractures.

7           Left elbow x-ray showed a buckle or very  
8           minimally displaced Mason one fracture. Wrist right  
9           and left is unclear if they were neutral rotations,  
10          which is a specific x-ray view we obtain sometimes.  
11          The left was ulnar positive variance, otherwise the  
12          x-rays were negative. Would you like me to carry on  
13          with impression?

14       Q. Yes, please.

15       A. Impression, bilateral radial head fractures times  
16          2/12, which is my abbreviation for two months.  
17          Needs range of motion. Taught and discussed range  
18          of motion exam. Will also send to physical  
19          therapy. A note was written for that. Right wrist  
20          unclear regarding the subtle injury to ligaments.  
21          No evidence today for an Essex-Lopresti, E-S-S-E-X,  
22          dash, capital L-O-P-R-E-S-T-I, or TFCC injury.  
23          Given prescription for Vicodin. Continue Naprosyn.  
24          Discussed natural history and sequelae of radial  
25          head fractures, possible stiffness, and discussed

1       turnbuckle orthoses.

2   Q.   What was it about the history, physical examination  
3       and review of the x-rays that led you to the  
4       impression of bilateral radial head fractures?

5   A.   Primarily the x-rays demonstrated there was  
6       fractures in the radial heads on both right and left  
7       elbows.

8   Q.   Did he have any symptoms that were consistent with  
9       bilateral head fractures?

10  A.   Yes, he did.

11  Q.   What symptoms did he have that were consistent with  
12       bilateral radial head fractures?

13  A.   He had pain in the elbow, he had a limited range of  
14       motion of his elbow.  Those are not specific  
15       symptoms for radial head fractures, but they are  
16       symptoms of them.

17  Q.   Based on your review of the February 6th, 1998  
18       records, had his symptoms or range of motion changed  
19       from February 6th to the time you saw him?

20  A.   I can't comment on that based on my records of April  
21       8th.

22  Q.   Do you remember if you reviewed the symptoms and  
23       finding of the doctor on February 6th?

24  A.   I probably did.  I don't recall exactly what they  
25       were.

1 Q. What was your prognosis for Rodney McClendon at that  
2 point?

3 A. I explained to all individuals with radial head  
4 fractures that it's a significant injury and that  
5 there are frequently, in fact the majority of  
6 patients with this injury, have some sequelae from  
7 this fracture that is permanent.

8 And sequelae may include pain, stiffness, that  
9 is a limitation or an inability to fully move the  
10 arm, occasionally clicking and swelling. And these  
11 are all common problems or sequelae of radial head  
12 fractures. And I explain this to the patients when  
13 I see them so that they understand what it is they  
14 have and what to expect.

15 Q. Did you tell Rodney McClendon on April 8th that too  
16 much time had passed for the right elbow to heal  
17 properly?

18 A. No.

19 Q. Did you tell Rodney McClendon that at any time --

20 A. No.

21 Q. -- you saw him?

22 A. No.

23 Q. Did you consider putting a cast on Rodney  
24 McClendon's elbows on April 8th?

25 A. No.



1 Q. Why not?

2 A. This fracture didn't require a cast. It wasn't  
3 medically necessary.

4 Q. Do you know whether it was medically necessary at  
5 any point prior to April 8th, 1998, or did you not  
6 perform that assessment?

7 MR. LEAK: Objection. Go ahead and  
8 answer.

9 A. Ask the question again.

10 MR. RUF: Sure. Could you please read  
11 back the question.

12 - - - -  
13 (Thereupon, the requested portion of  
14 the record was read by the Notary.)

15 - - - -  
16 MR. LEAK: Objection. You're talking  
17 about prior to April 8th when he saw him,  
18 correct?

19 MR. RUF: Correct.

20 A. That's all right. Do I know -- sorry.

21 MR. RUF: Read it back again, please.

22 A. You asked about putting casts on?

23 Q. Correct.

24 A. I don't know that it was medically necessary to do  
25 that, no.

1 Q. Were your treatment options hindered at all or made  
2 more difficult because Rodney McClendon had not been  
3 treated for elbow fractures before March 31st,  
4 1998?

5 A. I'm not sure what you mean by was not treated for  
6 elbow fractures. Can you clarify that?

7 Q. Well, do you agree that the diagnosis was not made  
8 of bilateral elbow fractures until March 31st,  
9 1998?

10 A. I believe, according to the notes from the other  
11 physicians, that it was made on March 31st.

12 Q. Was your treatment hindered at all or made more  
13 difficult because of the fact that the diagnosis was  
14 not made until March 31st?

15 A. My treatment was not made any more difficult. I  
16 prescribed physical therapy to him and I  
17 demonstrated the exercises myself in the office to  
18 him.

19 Q. If you had seen him on February 6th, 1998 and made  
20 the diagnosis of bilateral elbow fractures, would  
21 your treatment have been different at that time than  
22 it was on April 8th?

23 A. You're asking a lot of speculation in that  
24 question. Based on the data that we have, I'm not  
25 sure I can answer that question.

1 Q. What are the potential types of treatment for  
2 bilateral elbow fractures?

3 A. The treatments depend on the severity of the  
4 fracture and the amount of displacement of the  
5 fracture, and a number of other factors, patient  
6 age, patient dominance, a number of conditions. So  
7 I'll answer that question broadly, given all those  
8 parameters understood.

9 The treatments may range from telling the  
10 patient to actively move the arm, to treating with a  
11 sling and then actively moving the arm, or some kind  
12 of a splint and then moving the arm, to cast  
13 treatment, to surgical treatment.

14 Q. Did you review the x-rays of February 6th, 1998?

15 A. I did.

16 Q. What views were taken on February 6th, 1998?

17 A. AP and lateral views of the forearm, both forearms,  
18 were taken, and PA views of both wrists were taken.

19 Q. Did you observe any fracture in the films of  
20 February 6th, 1998?

21 A. No.

22 Q. Have the x-rays been marked as Plaintiff's Exhibits  
23 1 through 3 for the films of February 6th, 1998?

24 A. This film here is dated February 6th. It's the  
25 right forearm view, two views of the right forearm.

1 Q. That's Plaintiff's Exhibit 3. Excuse me, Doctor.

2 A. No problem.

3 Q. Doctor, was that Plaintiff's Exhibit 3 that you just  
4 described?

5 A. Oh, you're asking me? Yes, it was. This one is  
6 Plaintiff's Exhibit 2, again, the date is February  
7 the 6th, 1998. These are two views of the left  
8 forearm.

9 And then Plaintiff's Exhibit 1, February 6th,  
10 1998, and these are presumably PA views, right and  
11 left wrist.

12 Q. Did you order films on April 8th, 1998?

13 A. No, I don't believe I did.

14 Q. Did you review the films from March 31st?

15 A. My notes reflect that I reviewed those films.

16 Q. What did you observe in the films of March 31st?

17 A. The films of March 31st, the elbow and right side  
18 showed there was a minimally displaced fracture of  
19 the right radial head and the left side there was  
20 also a minimally displaced fracture of the left  
21 radial head.

22 Q. Which view did you see the fractures on?

23 A. Well, that I did not note in my note. I usually  
24 don't. I usually report a composite of my review of  
25 the entire x-rays.

1 Q. Do you know what views were taken on March 31st?

2 A. I do not from my note.

3 MR. RUF: Let's go off the record one  
4 second.

5 - - - -

6 (Thereupon, a discussion was had off  
7 the record.)

8 - - - -

9 (Thereupon, Plaintiff's Exhibit 27 was  
10 mark'd for purposes of identification.)

11 - - - -

12 Q. I'm handing you what's been marked as Plaintiff's  
13 Exhibit 27. Could you please identify that  
14 document.

15 A. This document from the Radiology Information System  
16 at Kaiser Permanente of Ohio is the report of the  
17 examination of a right elbow. That would be an  
18 x-ray of the right elbow that was performed on the  
19 31st of March, 1998.

20 Q. Do you actually read your own films or do you rely  
21 on the radiologist's interpretation?

22 A. I typically prefer to read the films myself.

23 Q. Does Plaintiff's Exhibit 27 help you state what  
24 views were taken on the 31st?

25 A. It does not. He reports his findings. This is the

1 doctor that did the -- dictated the report. He  
2 reports the findings but not the specific views.

3 Q. Were his findings consistent with your findings?

4 A. I'll move to his impression. His impression is  
5 there's a definite fracture of the right radius,  
6 which I agree with, and the second bullet point is  
7 the possible fracture of the head of the left  
8 radius, a follow-up film is suggested.

9 And my interpretation, based on my notes of  
10 April 8th, was that I felt there was evidence of a  
11 fracture, however minimally displaced, of the left  
12 side, although I can see how he would think it would  
13 be a subtle fracture.

14 Q. Do you remember the discussions that you had with  
15 Rodney McClendon on April 8th?

16 A. I remember meeting him. I know what he looks like.  
17 I don't recall the exact content of the discussion.  
18 I would have to refer to my notes to help me refresh  
19 my memory.

20 Q. Do you have an independent recollection of your  
21 discussions, other than reviewing your notes? In  
22 other words, do you have an independent recollection  
23 without looking at your notes?

24 A. That's the purpose I write notes, so I try not to  
25 rely on recollections. I don't specifically recall

1 any other conversation to the point that I would be  
2 able to enter it into testimony for you.

3 Q. Do you recollect any conversation that you had that  
4 is not recorded in your notes?

5 A. No.

6 Q. Did you have any discussions with any Kaiser  
7 personnel or physicians on April 8th about Rodney  
8 McClendon?

9 A. I don't recall that I did. I work with a nurse, an  
10 orthopedic technician, and I have a physician  
11 assistant and we frequently discuss our patients and  
12 discuss the findings, so I may have talked it over  
13 with them, but again, not that I can recall a year  
14 later.

15 Q. Did you prescribe a brace for Rodney McClendon?

16 A. Not at this visit. I did eventually.

17 Q. What were you trying to accomplish through your  
18 treatment?

19 A. Well, I wanted Mr. McClendon to regain as full  
20 function with his arms as possible after a  
21 fracture.

22 Q. So the goal was to regain full range of motion?

23 A. You're paraphrasing me. As I said, my goal is to  
24 try and -- well, you can read from the testimony. I  
25 answered the question already.

1 Q. Did you order Rodney McClendon to stay out of work?

2 MR. LEAK: On April 8th?

3 Q. Yeah, at any time.

4 A. I don't have the records that would substantiate  
5 that. There's an additional initial form that we  
6 sometimes fill out for that and I don't have it in  
7 front of me.

8 Q. Okay. Let's go to the next visit.

9 A. Uh-huh.

10 Q. When was the next time that you saw him?

11 A. I arranged to follow him up on the 29th of April,  
12 1998.

13 Q. Could you read into the record your notes from that  
14 visit, please.

15 A. Sure. Doing physical therapy three times a week,  
16 home exercise one times a week. Pain, bilateral  
17 elbows, right greater/equal to left, click in the  
18 bilateral elbows, painful. Very little pain in the  
19 mid arc, pain at the extremes of motion mostly with  
20 extension. Range of motion to the right was 25 to  
21 125 degrees in flexion, on the left was 25 to 130  
22 degrees flexion. Pronation and supination were  
23 full. No click or crepitus. Pain to the radial  
24 head bilaterally was negative. Sorry. He had pain  
25 of the radial head bilaterally but not at the medial



1 collateral ligament area. No effusion, no wrist  
2 tenderness or click.

3 X-rays showed bilateral healed fractures.  
4 Wrist views, according to the patient, were not done  
5 in neutral rotation. And I discussed, number one,  
6 home range of motion exercises need to be done three  
7 times a day. Number two, I prescribed him Feldene,  
8 which is an antiinflammatory medicine, Cytotec if he  
9 had gastrointestinal upset. He had problems with  
10 Naprosyn but was unclear if it was the Naprosyn or  
11 other cold medicines he was taking at the same  
12 time.

13 I discussed and demonstrated Mayo type elbow  
14 splints and would like the patient to discuss with  
15 Yankee Bionics and wrote a prescription for this.  
16 Follow up until three weeks or when braces are  
17 made.

18 Q. What are Mayo type elbow splints?

19 A. These are progressive static type splints for the  
20 elbow, that is splints that are applied to increase  
21 the range of motion of the elbow.

22 Q. And how is the range of motion increased with those  
23 splints?

24 A. The splint involves a cuff that fits around the  
25 forearm, these are custom splints, and a cuff that

1 fits around the upper arm, and it's hinged at the  
2 elbow joint. The patient applies it with Velcro  
3 fasteners so it fits snugly, and there's the type  
4 that I use has a dial here that one turns and it's  
5 attached to a worm gear or some kind of gear that  
6 then moves the two cuff pieces relative to each  
7 other.

8 So what a patient would do is he would dial  
9 that splint to the point where it applied a stretch  
10 to the arm. I'm demonstrating a stretch in terminal  
11 flexion. And it can be used to stretch -- I  
12 demonstrated extension. It can be used to stretch  
13 terminal flexion. And the patient sets the splint  
14 with some tension, some stretch on the arm, and then  
15 spends a variable amount of time, hours, in the  
16 splint so that the tissues of the joint involved, in  
17 this case the elbow joint, are allowed to stretch in  
18 a plastic deformation kind of fashion, and this is a  
19 technique that is used, one of the techniques, that  
20 one applies to try to increase the range of motion  
21 of a patient's joint.

22 Q. To what point is the patient to stretch using the  
23 brace?

24 A. I instruct the patient to stretch it to the point  
25 where it hurts a significant amount but not

1       excruciating pain, but they need to stretch it to  
2       the point where it's going to be worthwhile for them  
3       to wear it for several hours. So if they just turn  
4       it so that it's slightly uncomfortable, after the  
5       tissues start to stretch the brace becomes actually  
6       loose.

7   Q. And how many hours is the patient to wear the  
8       brace?

9   A. The program that I devise is customized for each  
10       patient. I have in my notes of the 20th of May the  
11       program that I mapped out for Mr. McClendon.

12   Q. Why don't you tell me what that program was on --

13   A. I mapped out -- sorry.

14   Q. I'm sorry, Doctor. On the 29th.

15   A. Yeah.

16   Q. You just prescribed the brace?

17   A. Right, on the 29th I made the prescription for the  
18       patient to be fitted with the brace. It's a  
19       custom-made brace, so there's some work involved in  
20       fitting the patient by an independent company that  
21       does this for us.

22   Q. And then he got the brace and returned May 20th?

23   A. Let's see my notes. Right, on May 20th he had the  
24       brace in his possession.

25   Q. And what was the plan for May 20th?

- 1 A. Well, I mapped out a 24-hour time line for him and  
2 explained to him that I would want him to be in the  
3 splint from 11:00 p.m. until 7:00 a.m. in the  
4 extension mode and then from 7:00 to 8:00 to not be  
5 in the splint, to be moving the elbow freely, then  
6 from 8:00 a.m. to 12:00 noon to be in the splint  
7 with it dialed in the flexion mode, 12:00 to 1:00,  
8 noon to 1:00 he would be out of the splint, from  
9 1:00 to 4:00 he would be in the splint in extension  
10 mode, 4:00 to 5:00 p.m. he would be out of the  
11 splint, then from 5:00 to 9:00 p.m. he would be in  
12 the splint in flexion mode, then from 9:00 to 11:00  
13 p.m. he would be out of the splint, and then the  
14 cycle would resume.
- 15 Q. So what was the total number of hours per day he was  
16 supposed to be wearing the brace?
- 17 A. 8, 12, 15 -- 19 hours a day.
- 18 Q. And what was the plan for how long he was supposed  
19 to use the splint?
- 20 A. The plan is a flexible plan and I monitor the  
21 patients closely to see how they're progressing.  
22 And depending on how well they're achieving their  
23 range of motion, how well they tolerate the brace,  
24 what their goals and desires are, we decide in the  
25 future as, you know, as progress is being made how

1 long the eventual total brace time will be.

2 Q. I want to go back to April 29th. Did you order  
3 x-rays on that date?

4 A. Yes.

5 Q. Are those x-rays marked as Plaintiff's Exhibits 4  
6 through 7?

7 A. Plaintiff's Exhibit 4 is dated 4-28-98, and I'm not  
8 sure on this copy if I can read the marking on this  
9 one. Exhibit 5 is dated 4-28-98. This is an x-ray  
10 of the right elbow. Plaintiff's Exhibit 6,  
11 4-28-1998, x-rays of the left elbow. And  
12 Plaintiff's Exhibit 7, 4-28-1998, these are x-rays  
13 of the right elbow.

14 Q. Could you tell me which exhibits show the  
15 fractures?

16 A. Okay. X-rays of the right elbow, Plaintiff's  
17 Exhibit 7 and Plaintiff's Exhibit 5, do demonstrate  
18 the fractures.

19 Q. Could you please show me where the fractures are,  
20 Doctor?

21 A. There's a break in the cortex here which represents  
22 a fracture on the lateral view. On the  
23 anteroposterior view it's more subtle. One wouldn't  
24 really be able to confirm a fracture on that view.  
25 And on this other view, the fracture is demonstrated

1 as this cortical break here on the right radial  
2 head.

3 Q. Were the views taken on Plaintiff's Exhibit 5 and 7  
4 different from the views taken on February 6th?

5 A. Yes.

6 Q. Would the information shown in Plaintiff's Exhibit 5  
7 and 7 show up on the views that were taken on  
8 February 6th?

9 A. Would they show up, is that your question?

10 Q. Yes.

11 MR. LEAK: Or do they show up?

12 Q. Do they show up?

13 A. No, I don't appreciate the fractures on the x-rays  
14 of February 6th.

15 Q. And that's because of the type of view that was  
16 taken?

17 MS. VANCE: Objection.

18 A. You're asking me to make an assumption, and I don't  
19 know. I don't see the fractures on these x-rays.

20 Q. But in the records you had stated that the fractures  
21 had been present since February 6th, correct?

22 A. Demonstrate to me where I said that.

23 Q. Then let me ask you this. Based on reasonable  
24 medical probability were the fractures present on  
25 February 6th?

1 A. They probably were.

2 Q. Thank you, Doctor.

3 Let's go to the handwritten note of May 20th.

4 Could you read into the record your note?

5 A. Yes. May 20th, 1998, I'll read my handwritten  
6 notes. Three and a half months, some pain with  
7 heavy lifting and physical therapy, right greater  
8 than left side. Occasional clicks bilaterally, may  
9 be painful. The range of motion on the right side  
10 is 25 degrees to 132 degrees, on the left side is 15  
11 degrees to 132 degrees. He had full pronation and  
12 supination.

13 He has the Mayo type splints. I confirmed the  
14 fit. The notation on the left with the numbers and  
15 the Fs and the Es is my abbreviation, diagram,  
16 detailing what we discussed before about the time  
17 frame, the program in which this man was to wear his  
18 splints over a 24-hour time period.

19 I discussed the splinting principle and  
20 continue range of motion exercises. I wrote that he  
21 would be off work from June the 9th times two months  
22 to the 10th of August. I wrote a prescription for  
23 80 Percocets and arranged follow-up in a month's  
24 time.

25 Q. The Percocet was for pain?

1 A. Right.

2 Q. And his range of motion was increasing using the  
3 braces?

4 A. At this visit he had just obtained the braces, and  
5 while he may have put them on himself to try them  
6 this is the visit where I teach him how to use the  
7 brace and when to use the brace.

8 Q. What was the next visit?

9 A. The next visit would be on the 6th. Sorry, on the  
10 24th of June, 1998.

11 Q. Could you read into the record your notes from that  
12 visit?

13 A. Okay. Obtained Mayo type splints and has been  
14 following the protocol I gave him on May 20th and on  
15 both arms since the 9th of June and on the right arm  
16 for the past three weeks. The Percocet gave him  
17 some gastrointestinal upset and the Vicodin gave him  
18 dizziness.

19 He had a slight click with rotation on the  
20 right radial head that was mildly painful. The  
21 range of motion on the right side was less than 15  
22 degrees to 135 degrees of flexion. On the left side  
23 was 10 to 130 degrees flexion.

24 I discussed continued splint use bilaterally  
25 per our 24-hour schedule, gave him a prescription



1       for some Feldene, I gave him a prescription for some  
2       Darvocet and I emphasized to him the rationale for  
3       using the analgesics because the splinting can be an  
4       uncomfortable process.

5               Will obtain bilateral x-rays of elbows this  
6       week and will call me when the x-rays are done. And  
7       asked to re-refer him to PT, to physical therapy,  
8       and I did.

9   Q.   So you prescribed pain medication to help him in  
10       using the splint?

11   A.   Yes.

12   Q.   And I believe you said he was following your  
13       protocol?

14   A.   Right. As I remember, he wasn't taking the  
15       painkillers, and my intent is that people do take  
16       the painkillers so that pain is not what limits them  
17       from using the protocol correctly.

18   Q.   Was his range of motion increasing using the  
19       braces?

20   A.   Comparing his range of motion from 5-20 to 6-24 on  
21       the right elbow, he had improved his range of  
22       motion, both flexion and extension. On the left  
23       elbow he had improved his range of motion as well.

24   Q.   When was the next time that you saw him?

25   A.   Next time I saw him was July 29th, 1998.

1 Q. Could you read into the record your notes from July  
2 29th?

3 A. My handwritten notes are as follows, occasional pain  
4 with terminal flexion/extension. Darvocet made him  
5 sick, on Feldene and doing well with that. Range of  
6 motion on the left side was five degrees to 135  
7 degrees of flexion with full pronation/supination.  
8 Range of motion on the right side was 10 to 130  
9 degrees of flexion, full pronation/supination. He  
10 was tender at the radial head in extension but not  
11 the olecranon. Pain at extremes. X-ray shows  
12 satisfactory healing of bilateral fracture. He felt  
13 ready to return to work and was returning to work.  
14 He felt ready to return to full duty, and I wrote  
15 that he could do that as of 8-3-98. We discussed  
16 the nighttime use of the splints with extension, and  
17 follow-up at that point was a PRN basis.

18 Q. And that's as needed?

19 A. That is as needed. It's patient driven.

20 Q. So it was his decision whether or not to return?

21 A. I'm sorry, to what?

22 Q. It is Rodney McClendon's decision whether or not to  
23 return for a follow-up visit?

24 A. Yes.

25 Q. Why did you make a return visit PRN at that point?

1 A. At that point he had been using the splints and I  
2 had seen him several times and discussed the splint  
3 use with him, so he was quite comfortable in how to  
4 apply them and the mechanics and the protocol and  
5 how to use them.

6 He had gained considerable motion in both  
7 elbows. His symptoms had subsided. He himself had  
8 reported that he felt well enough to return to work  
9 at full duty, so my role in this is to try and  
10 return a patient to as full a function as possible,  
11 and I felt at that point that we were nearing that  
12 goal or we had achieved that goal.

13 The final discussion that I have with patients  
14 who use splints is that they need to continue to use  
15 the splints for an extended period of time at  
16 nighttime, and we had that discussion so I didn't  
17 have anything further to add to the care. And he  
18 didn't feel that there was anything that he needed  
19 from me to add to his care.

20 He felt comfortable and his result was  
21 satisfactory, certainly satisfactory enough for him  
22 to go to work. And so if he had further problems,  
23 new or the same problems, I would be happy to see  
24 him but there wasn't any real point in arranging a  
25 follow-up when neither he nor I felt there was

1 anything further to add to his care at that point.

2 Q. Did you give him any instruction as to how long he  
3 was to use the braces?

4 A. I typically do.

5 Q. And what was your instruction?

6 A. My instructions are to continue using the splints at  
7 nighttime for several months.

8 Q. And then after several months was he to discontinue  
9 using the splints?

10 A. After several months he discontinues using the  
11 splints and he makes his own judgment as to whether  
12 or not his motion is maintaining stable. If there's  
13 a problem with the motion becoming decreased again,  
14 then he needs to get back in the splints and then to  
15 contact me and discuss why that's occurring. That's  
16 a rare occurrence.

17 Q. So he's only to use the splints if he's losing range  
18 of motion?

19 A. After the initial period of using the splints at  
20 nighttime alone, yes. It's a program where you  
21 start off using the splints quite intensively and  
22 then you gradually wean out of using the splints,  
23 and as you're weaning if you see that you're  
24 slipping back in terms of losing more -- losing your  
25 motion again, then naturally you need to step up the

1 use of the splints again.

2 Q. Did he have full range of motion on July 29th when  
3 you last saw him?

4 A. No, I wouldn't say this was a full range of motion.

5 Q. Did he have full range of motion of either the right  
6 or the left elbows?

7 A. No, his left elbow was very close, but neither of  
8 them I think you could characterize as full. These  
9 were a functional range of motion but not a full  
10 range.

11 Q. Did Rodney McClendon follow your prescribed  
12 treatment while he was under your care and  
13 treatment?

14 A. To an extent he did. My treatment is complex, as  
15 you gather, and there's a number of areas where  
16 Rodney did not adhere to it the way that perhaps if  
17 I broke my arms myself would have done it. He  
18 adhered to many of the principles of the treatment.

19 Q. Overall was he following your prescribed treatment?

20 A. I think I've answered that question already.

21 Q. Did you take any other x-rays that we have not  
22 discussed?

23 MR. LEAK: I think we discussed some  
24 but we didn't look at some, correct?

25 THE WITNESS: Right. We discussed a

1                   number of films.

2                   MR. LEAK: But we didn't actually look  
3                   at them.

4 Q. What are Plaintiff's Exhibits 12 through 15? I'm  
5       sorry, Doctor, let's go back first.

6 A. Okay.

7 Q. Why don't you go through Exhibits 8 through 15.

8 A. Okay. Starting with 8, this is a Cleveland Clinic  
9       radiology x-ray dated the 2nd of June, 1998 of his  
10      right elbow. Plaintiff's Exhibit 9, again,  
11      Cleveland Clinic radiology film of the left elbow,  
12      2nd of June, 1998.

13                  Plaintiff's Exhibit 10, 2nd of June, 1998,  
14      x-ray of the left elbow from the Cleveland Clinic.  
15      Plaintiff's Exhibit 11, same date, same location,  
16      right elbow. Plaintiff's Exhibit 12 is an x-ray  
17      from the Kaiser Foundation Hospital. I can't tell  
18      the date on this one. It's a left elbow film.

19                  Plaintiff's Exhibit 13, Kaiser Foundation  
20      Hospital, the date -- this is a copy, the date I  
21      can't read clearly, right elbow x-ray. Plaintiff's  
22      Exhibit 14, films from the Kaiser Foundation  
23      Hospital, no date on the copy, right elbow and left  
24      elbow film. And Plaintiff's Exhibit 15 is June --  
25      sorry, July 17th, 1998 from the Kaiser Foundation,

1       which is an x-ray of the right end of the left  
2       elbow.

3   Q.   I believe you said on the 24th you ordered  
4       additional x-rays?

5   A.   The 24th I examined him to obtain bilateral x-rays  
6       of the elbow this week and call me when the films  
7       are done. That implies that he probably couldn't  
8       stay for the x-rays that particular visit. He had  
9       other things to do, perhaps.

10  Q.   Were those x-rays eventually taken?

11  A.   What's the date on those? 4-28. That's April. I  
12       believe the next set of x-rays from the Kaiser  
13       Permanente offices were the 17th of July, so, yes,  
14       he did eventually take those x-rays, but there was a  
15       delay from 6-24 to 7-17. He didn't get them done  
16       until about almost three weeks after the visit  
17       rather than having them done that week.

18  Q.   What was the purpose of the follow-up of x-rays?

19  A.   To evaluate the healing process and to look at the  
20       appearance of the joint.

21  Q.   Based on your review of the July 17th x-rays --

22  A.   Uh-huh.

23  Q.   -- what do those x-rays show?

24  A.   From July 17th I have a single lateral view of the  
25       right elbow and a single lateral view of the left

1       elbow. This particular x-ray I have a hard time  
2       actually seeing the fracture because of the  
3       projection on the x-ray on the right side.

4               And the left side I also have a hard time  
5       seeing the fracture. It has to do with the  
6       projection of the fracture and the position that the  
7       x-rays are taken.

8   Q.   Would it help you if you reviewed the original  
9       film?

10   A.   No, this is the original film, I believe. No, it  
11       isn't.

12               MR. LEAK: No.

13   A.   It might. We could try. I don't know.

14               MR. RUF: Let's go off the record.

15               - - - -

16               (Thereupon, a discussion was had off  
17       the record.)

18               - - - -

19   Q.   Doctor, do you have the original films at this  
20       point?

21   A.   Yeah, I have the original films from July 17th  
22       here.

23   Q.   What do those films show?

24               MR. LEAK: Doctor, before we start, do  
25       you want to compare Exhibits 12, 13 and 14 with



1           the original to see if those are the ones where  
2           you couldn't read the dates that correspond  
3           with those?

4                   MR. RUF:   Why don't we put a  
5           stipulation on the record.   Can we enter into a  
6           stipulation as to the date of the x-rays --

7                   MR. LEAK:   We have to confirm that.

8                   MR. RUF:   -- that are undated?

9                   MS. VANCE:   That's what he's going to  
10          do right now.

11                   MR. LEAK:   Yeah, that's what he's going  
12          to do right now.

13   A.   Okay.   Plaintiff's Exhibit 14, didn't we talk about  
14          that already?

15   Q.   What's the date of Plaintiff's Exhibit 14?

16   A.   Plaintiff's Exhibit 14, comparing it to this  
17          original which is dated July 17th, 1998, this  
18          appears to me to be the same film, to be a copy of  
19          the same film.

20                   MR. LEAK:   How about number 13?

21   A.   I don't have an original.   Oh, yeah, here we go.  
22          All right.   So this is Plaintiff's Exhibit 13 and  
23          this compares favorably to the x-ray dated July  
24          17th, 1998.   That's of the right elbow.   That goes  
25          over there.

1           And then Plaintiff's Exhibit 12 compares  
2           favorably to this original that's dated July 17th,  
3           1998.

4                   MR. LEAK: Just for the record, I don't  
5           know what we would have done without Dr. Cheng  
6           here to coordinate this.

7   Q. What did the films show for July 17th with respect  
8       to the fracture?

9   A. This x-ray from July 17th is an anteroposterior view  
10   of the right elbow and one of the left elbow. The  
11   one of the left elbow really -- I don't discern the  
12   fracture on this view very clearly. On the right  
13   elbow one can see it -- a very slight depression in  
14   the joint that reflects a fracture there.

15           This other view of the right elbow from the  
16   17th of July, 1998, there's a cortical interruption  
17   here that represents a fracture. It's pretty  
18   subtle. These are not -- these are slightly oblique  
19   x-rays, same date, July 17, 1998, x-rays of the left  
20   elbow. I don't discern a fracture on this x-ray.

21   Q. Was there an improvement in the condition of the  
22   fracture for both the right and left elbow compared  
23   to the prior films?

24   A. I think there was an improvement. I think the films  
25   demonstrated the fracture is healed, was healing.

1 Q. Do you have any independent recollection of any  
2 conversations with Rodney McClendon that are not  
3 recorded in your medical records for any visit?

4 A. No.

5 Q. Do you have a recollection of discussing Rodney  
6 McClendon with any physician or Kaiser employee?

7 A. Again, I think we talked about this earlier. I  
8 discuss all my patients with my team. I don't have  
9 a specific recollection of a conversation, per se.

10 Q. But at this point I'm asking you about for all  
11 visits. Before I just asked about one specific  
12 visit.

13 A. Oh, I see. My answer is the same, it applies to all  
14 other visits.

15 MR. RUF: Let's just go off the record  
16 for one minute.

17 - - - -

18 (Thereupon, a discussion was had off  
19 the record.)

20 - - - -

21 A. There is a form that's used. Not everyone requests  
22 a form. If the patient says I don't need a note, I  
23 don't write them a note. But there is a form that  
24 we can obtain off the computer and print it out.  
25 That's what it looks like.

1 Q. Did you give a doctor's order to Rodney McClendon to  
2 be off work for a period of time?

3 A. Yes, I did. I have in front of me a return to work  
4 note which is an off work note dated the 20th of  
5 May, 1998 that carries from the 9th of June to the  
6 10th of August that he's not to work.

7 Q. What was the reason for that order?

8 A. Because he would be using the splints full time at  
9 that point.

10 Q. Do you have any independent recollection of your  
11 care and treatment of Rodney McClendon that we have  
12 not yet discussed?

13 A. No, I think we've pretty thoroughly discussed our  
14 notes.

15 Q. Okay. Thank you, Doctor, that's all I have.

16 MR. LEAK: There may be some questions  
17 over here.

18 THE WITNESS: Okay.

19 MS. VANCE: Doctor, my name is Vicki  
20 Vance. I represent the Cleveland Clinic. I  
21 would like to ask you a couple of questions  
22 just to clarify my understanding of how you  
23 assess the patient.

24 THE WITNESS: Okay.

25 - - - -

CROSS-EXAMINATION OF STEPHEN LAI-TIEN CHENG, M.D.

BY MS. VANCE:

Q. You told us that when you first saw the patient on April 8, 1998 that he had symptoms of pain and limitations in his range of motion; is that true?

A. He had signs of pain and symptoms of limited range of motion, that's true.

Q. And you were asked the question by Mr. Ruf whether those symptoms are consistent with a patient having bilateral radial head fractures, and you said that they were consistent?

A. Right. I also said they were not specific for that injury but they are a feature of the symptom that would accompany an injury, such as a radial head fracture.

Q. Doctor, have you seen in your practice patients that have presented with complaints of pain and limited range of motion and not have bilateral radial head fractures?

A. Oh, absolutely. The majority of patients that have pain do not have a fracture.

Q. So the majority of the patients who complain of pain in both elbows and even limited range of motion in both elbows do not turn out to have fractures of their radial heads?

1 A. That's a completely tenable assumption, yes.

2 Q. You also indicated that when you saw the patient for  
3 the first time your prognosis you said you explained  
4 to your patient, a majority of patients will have  
5 sequelae from this type of an injury which may  
6 include pain, stiffness, clicking and swelling; is  
7 that true?

8 A. Yes.

9 Q. Doctor, do patients who have bilateral radial head  
10 fractures that are diagnosed and found within 24  
11 hours of injury, do those patients also go on to  
12 have sequelae that includes pain, stiffness,  
13 clicking and swelling?

14 MR. RUF: Objection.

15 A. Yeah, absolutely. The injury is a significant  
16 injury that does not lead to a perfect result in the  
17 majority of cases.

18 Q. So even if the injury is diagnosed within 24 hours  
19 of onset --

20 A. Yes.

21 Q. -- will those patients, even with an early diagnosis  
22 by an institution of what is deemed to be an  
23 appropriate management, do those patients also have  
24 the risk of pain, stiffness, clicking and swelling  
25 and other sequelae from their injury?

1 MR. RUF: Objection.

2 A. I would say the majority of patients, even given  
3 your assumptions, would have some of those symptoms  
4 if not all those symptoms.

5 Q. Doctor, you testified that you did not place a cast  
6 on the patient at the time of the first visit, April  
7 8 of 1998, because you saw no medical necessity for  
8 it at that time; is that true?

9 A. Yes.

10 Q. Are there any risks that are presented to patients  
11 when they are casted for bilateral radial head  
12 fractures? Or perhaps a better way to ask the  
13 question is what would be sort of either the  
14 considerations or indications for instituting  
15 casting as a treatment method for a patient  
16 presenting with bilateral radial head fractures?

17 A. The factors that one would consider are fracture  
18 based and patient based, and by that I mean that the  
19 fracture based considerations would be the pattern  
20 of the fractures, the size, angulation, any  
21 associated injuries as well.

22 The patient based reasons would be depending on  
23 the age, the sex, the dominance, the occupation of  
24 the patient, concurrent medical problems. So  
25 there's a number of factors that synthesize -- that

1 we synthesize as physicians to decide whether or not  
2 a cast treatment is appropriate for an injury like  
3 this.

4 Q. Doctor, if you see a patient and make a diagnosis of  
5 bilateral radial head fractures within 24 hours of  
6 the onset of injury, is casting the most likely form  
7 of treatment at that point?

8 MR. RUF: Objection.

9 A. Again, it depends on the fractures. By and large  
10 the problem with immobilization, that is casting of  
11 injuries for a period of time, is that it promotes  
12 stiffness because the patient does not move that  
13 limb that is in the cast for a period of time.

14 And we know that one of the problems that  
15 people face after rehabilitation from an injury is  
16 that they may have some stiffness in the joint that  
17 may be permanent, that is they may not have full  
18 range of motion in that joint. So one tries to  
19 minimize the time in a cast or if possible not treat  
20 in a cast any injury because of that reason.

21 Q. Assuming that a patient with bilateral radial head  
22 fractures is placed in a cast, what would be the  
23 patient's arm position if a cast is applied?

24 A. That would be doctor dependent. I think one of the  
25 accepted positions would be to have the cast applied



1 with the elbow bent at a 90-degree position.

2 Q. Are casts ever applied with a patient having their  
3 arm in full extension for bilateral radial head  
4 fractures?

5 A. That wouldn't be my choice. I'm not aware if anyone  
6 does that. That wouldn't be how I would do it.

7 Q. If you were to apply a cast on a patient with  
8 bilateral radial head fractures, generally a  
9 90-degree angle would be preferred?

10 A. If I were applying a cast, I would apply it in a  
11 90-degree flexion.

12 Q. And if you apply a cast in a 90-degree flexion does  
13 that carry the risk that after the cast is removed  
14 the patient may have limitation on their range of  
15 motion or stiffness in being able to ultimately  
16 achieve full extension and flexion of that joint?

17 A. Right. As we said before, the cast is a  
18 double-edged sword. The advantage is it then limits  
19 the patient from using his arm and hopefully allows  
20 the fracture to heal. The down side is that you are  
21 enforcing immobilization on a patient and running  
22 the risk of stiffness which already is a risk having  
23 had an injury.

24 Q. Has it ever been the case in your practice that  
25 after applying casts to a patient with a radial head

1 fracture that after the cast is removed they've gone  
2 on to require the type of Mayo splints program that  
3 you applied to Mr. McClendon?

4 A. That does happen. That does happen.

5 Q. So is it fair to say that the use of a cast on a  
6 patient with a bilateral radial head fracture does  
7 not rule out or eliminate the potential need that  
8 that person may still go on to require Mayo bracing  
9 or splinting?

10 A. Not at all. In fact, probably the opposite. People  
11 who are immobilized for a long period of time or  
12 people who are immobilized in general have a high  
13 risk of stiffness than people who are not  
14 immobilized, than those people who are allowed to  
15 move the arm freely earlier, requiring this kind of  
16 extensive treatment.

17 Q. When we went through your notes you showed us that  
18 on May 20, 1998 the patient came in with the Mayo  
19 splints, he already had them custom-made at that  
20 point, and you mapped out the schedule for time  
21 periods which he would wear those splints; is that  
22 correct?

23 A. Correct.

24 Q. Now, you then at that visit gave him the notice that  
25 he could be off work for two months to allow for

1       this bracing program; is that right?

2   A.   That's right.

3   Q.   The time that he was going to be off work was going  
4       to begin on June 9 of 1998; is that true?

5   A.   Yes.

6   Q.   Why did he or you elect to delay the start of the  
7       splinting program from May 20 for approximately  
8       three weeks to begin on June 9?  In other words, why  
9       not start the bracing program right away if you had  
10      the splints in hand and he had been shown or  
11      instructed on how to use them?

12  A.   That's a very good question.  As I recall, there  
13      were some patient based factors on why -- we're  
14      talking about taking time off of work for a guy  
15      who's already been off work for some time, I believe  
16      at this point.  So there were some patient based  
17      factors as to why he did not want to or could not  
18      take the time off until that point.

19               We're talking about a guy who's going to be off  
20      work for a full two-month block.  So I think there's  
21      a lot of considerations from his workplace and from  
22      his personal situation that go into deciding when  
23      he's going to start this time period off work in  
24      addition to the goal that we have of, obviously, of  
25      trying to get him on the splints as soon as we can.

1 Q. Ultimately you allowed him to return to work on  
2 August 3, 1998?

3 A. Let me review my notes from the 29th of July. We  
4 discussed together when he would return to work. He  
5 felt that he was ready for full duty as of August  
6 the 3rd, and that's when I wrote here that he could  
7 go back to work.

8 Q. So he felt he was ready to return to work on August  
9 3, which was one week less than the original  
10 prescription for how much time he would be off of  
11 work?

12 A. He asked to go back to work. He said he was ready  
13 to go back to work, and he asked to go back to full  
14 duty.

15 Q. During the time that you were treating the patient  
16 do you recall that he ever complained to you that he  
17 had any concerns that he could go back to work and  
18 perform his job functions as a Cleveland police  
19 officer?

20 THE WITNESS: I'm sorry, could you read  
21 that one back to me again?

22 - - - -

23 (Thereupon, the requested portion of  
24 the record was read by the Notary.)

25 - - - -

1 A. Yes, when I explained to him what one might expect  
2 from having broken his elbows, from having radial  
3 head fractures, and we talked about the risks of  
4 stiffness and all those sequela that we discussed  
5 previously, he was concerned how this would impact  
6 on his job performance.

7 Q. What about at the end of the bracing program when he  
8 was asking to return to work a week ahead of  
9 schedule?

10 A. At that point he volunteered that he wanted to go  
11 back to work. And so I don't recall, again it's  
12 been a year, what our exact conversation was, but  
13 clearly from my notes and from the fact that he  
14 wanted to go back to work the impression he conveyed  
15 to me was that he felt that he was ready to return  
16 to not just part-time work or limited work but he  
17 felt that he was ready to go to full duty, so I let  
18 him.

19 Q. I wanted to ask a question just to make sure I  
20 understand the grading system or notations that you  
21 used to note both flexion and extension of the  
22 elbows. If a patient is opening up their arm to its  
23 full length, this is referred to as extension; is  
24 that right?

25 A. Yes.

1 Q. And if they take the hand and put it up by the  
2 shoulder and close their elbow, that would be  
3 flexion?

4 A. Flexion.

5 Q. And when you make the notations in your records as  
6 you observe this on the patient, you said that the  
7 normal range generally is zero to approximately 135;  
8 is that correct?

9 A. Well, let me try and explain this a little better.  
10 This, the motion, the bending of the elbow, which is  
11 the major motion of the elbow, is referred to as the  
12 flexion of the elbow as you arc from that position  
13 to that position.

14 Now, having said that, when you straighten the  
15 elbow that's termed extension, although the act of  
16 straightening is termed extension, but one may only  
17 extend to that point. And then bending it all the  
18 way would be termed flexion.

19 When we record these numbers that I report in  
20 my notes, the range is measured with zero. This is  
21 just the customary method. It's universally used so  
22 we can communicate in forms like this. Fully  
23 straight, as in the arm, the humerus bone here and  
24 the ulna bone here are co-linear or parallel. That  
25 would be referred to as zero degrees. So this would

1 be 90 and this would be more than 90 degrees of  
2 flexion.

3 Q. Did it appear that Mr. McClendon was regaining some  
4 of his range of motion of his right and left arms  
5 even before the bracing program began?

6 A. When I first saw him his range of motion of the  
7 right was 30 to 105 and his left was 30 to 115. And  
8 the day we talked about the 24-hour program using  
9 the splints his right motion was 25 to 132, which is  
10 an improvement, a definite improvement from his  
11 visit from April 8th. And his left arm range of  
12 flexion was from 15 to 132, which is also a  
13 significant improvement from the numbers of February  
14 8th. So I would have to answer yes to that.

15 Q. When you look at these last series of films taken on  
16 July 17, 1998, do you see evidence of good bony  
17 healing of the radial head fractures?

18 A. Yes.

19 Q. Even though you can still appreciate the fracture  
20 line on those films, do you, nevertheless, can you  
21 state that the fractures are either healing or  
22 completely healed as of July 17, 1998?

23 A. Healing, as you know, is a process, and one can --  
24 it's difficult to actually draw a line as to which  
25 day the healing stopped because it really doesn't.

1 Initially the fractures are in two pieces and it's  
2 mobile, and as time goes on it becomes more and more  
3 and more solid. So you can't really apply a black  
4 and white to healing, unlike pregnancy or not being  
5 pregnant.

6 But these x-rays of July were taken five months  
7 after the time of the initial injury, and for that  
8 period of time we're seeing an appropriate amount of  
9 healing. I didn't feel that that was either slow or  
10 faster than normal, and it seemed appropriate. And  
11 it seemed unlikely that given the fact the fractures  
12 had attained this stage of healing the process would  
13 reverse and become unhealed. They looked like they  
14 were moving in the right direction at this point.

15 Q. Is it expected that for some period of time in Mr.  
16 McClendon's life if he has elbow x-rays taken you'll  
17 continue to see the fracture line or the old  
18 fracture line will continue to appear for at least  
19 some period of time on x-rays?

20 A. That's variable. It's difficult to predict, but I  
21 would have to answer in the affirmative. Fractures  
22 in general you can appreciate the fracture line even  
23 if the patient's fractures heal and even if they  
24 feel perfectly fine, sometimes forever.

25 Q. So the fact that you can look at a film and say I



1       can see the fracture site is not equivalent to  
2       saying this is an unhealed fracture?

3   A.   No.

4   Q.   When you look back at x-rays that were obtained on  
5       February 6th, 1998, those that were marked as  
6       Exhibits 1, 2 and 3 taken in the radiology  
7       department at the Cleveland Clinic in connection  
8       with the patient's original emergency department  
9       visit, do you conclude that those three films,  
10      Exhibits 1, 2 and 3, were correctly interpreted as  
11      negative for fracture?

12  A.   Yes.

13                   MS. VANCE:  Thank you.  I don't have  
14                   any other questions.

15                   MR. RUF:  I have a follow-up question.

16                   - - - - -

17       RE-CROSS-EXAMINATION OF STEPHEN LAI-TIEN CHENG, M.D.

18       BY MR. RUF:

19  Q.   For a patient with bilateral elbow pain and loss of  
20       range of motion would a fracture be part of the  
21       differential diagnosis?

22  A.   Yes.

23                   MR. RUF:  That's all I have.  Thanks.

24                   MR. LEAK:  He'll read.  Just so you  
25       know, you have the right to read this to make

1           sure everything was taken down. We have a lot  
2           of medical terms so I'm going to make sure  
3           everything was accurate. Okay?

4           THE WITNESS: You want me to read?

5           MR. LEAK: You're going to read.

6           THE WITNESS: Okay.

7           MR. LEAK: And I guess you'll waive any  
8           time constraints?

9           MR. RUF: Sure.

10

11

---

STEPHEN LAI-TIEN CHENG, M.D.

12

13

14

15

16

17

18

19

20

21

22

23

24

25


C E R T I F I C A T E

The State of Ohio, ) SS:  
County of Cuyahoga.)

I, Laura L. Ware, a Notary Public within and for the State of Ohio, do hereby certify that the within named witness, STEPHEN LAI-TIEN CHENG, M.D., was by me first duly sworn to testify the truth, the whole truth, and nothing but the truth in the cause aforesaid; that the testimony then given was reduced by me to stenotypy in the presence of said witness, subsequently transcribed into typewriting under my direction, and that the foregoing is a true and correct transcript of the testimony so given as aforesaid.

I do further certify that this deposition was taken at the time and place as specified in the foregoing caption, and that I am not a relative, counsel or attorney of either party or otherwise interested in the outcome of this action.

IN WITNESS WHEREOF, I have hereunto set my hand and affixed my seal of office at Cleveland, Ohio, this 6th day of July, 1999.

  
\_\_\_\_\_  
Laura L. Ware, Ware Reporting Service  
3860 Wooster Road, Rocky River, Ohio 44116  
My commission expires May 17, 2003.

Case Title: \_\_\_\_\_

Case Number: 374136 Deposition Date: \_\_\_\_\_

I, STEPHEN GREGG, wish to make the following changes:

PAGE LINE

11 24 CHANGE: Furn → Foreman

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

\_\_\_\_\_ CHANGE: \_\_\_\_\_

I have read my deposition, and having made the corrections that I wish to make hereby affix my signature.

Signature: \_\_\_\_\_ Date: 7/19/95