

THE STATE OF OHIO,)
) SS: RICHARD M. MARKUS, J.
COUNTY OF CUYAHOGA.)

IN THE COURT OF COMMON PLEAS
(CIVIL BRANCH)

GERALDINE FRANKHAUSER,)
Executrix of the Estate of)
Joseph J. Frankhauser,)
Deceased,)
)
 Plaintiff,)
)
 vs.) Case No. CV-05-560742
)
SANDRA S. CHISAR, D.O.,)
et al.,)
)
 Defendants.)

- - -
EXCERPT OF TRANSCRIPT OF PROCEEDINGS
- - -

Whereupon, the following proceedings were
had in Courtroom No. 3-A, The Old Courthouse,
Cleveland, Ohio, before the Honorable
Richard M. Markus, and a jury, on Tuesday,
April 11th, 2006, upon the pleadings filed
heretofore.

- - -
APPEARANCES:

Hermann, Cahn & Schneider LLP, by:
Kerry S. Volsky, Esq.,

On behalf of the Plaintiff.

Roetzel & Andress, by:
R. Mark Jones, Esq.,
James P. Myers, Esq.,

On behalf of Defendants University Emergency
Specialists, Inc., and Ewald E. Kundtz, III,
M.D.

Weston, Hurd, Fallon, Paisley & Howley, by:
Beverly A. Harris, Esq.,

On behalf of Defendants Emergency Professional
Services, Inc., and Sandra S. Chisar, D.O.

Reminger & Reminger, by:
Thomas B. Kilbane, Esq.,
Bethanie Ricketts, Esq.,

On behalf of Defendants USHC Physicians, Inc.,
and Robert Cirino, M.D.

Angela R. Cudo, RPR
Official Court Reporter
Cuyahoga County, Ohio

TUESDAY AFTERNOON SESSION

APRIL 11, 2006

* * * * *

Thereupon, the Plaintiff,
to further maintain the issues on her part
to be maintained, called as a witness,
ROBERT CIRINO, who, being first duly sworn,
was examined and testified as follows:

- - -

CROSS-EXAMINATION OF ROBERT CIRINO

BY MR. VOLSKY:

Q. Good afternoon, Doctor.

A. Good afternoon, Mr. Volsky.

Q. Please tell the jury who you are.

A. Robert Cirino, M.D.

Q. Where did you live, sir?

A. Live in Solon, Ohio.

Q. And what is your occupation?

A. I'm a physician.

Q. And what is your specialty, sir?

A. Specialist in internal medicine.

MR. VOLSKY: Your Honor, if you could
indulge me one second.

THE COURT: Certainly.

Q. (BY MR. VOLSKY) Where do you practice, sir?

1 A. Practiced that day at University Suburban Health Care
2 Center.

3 Q. What type of practice do you have?

4 A. I have three practices; inpatient internal medicine
5 at University Hospitals, my regular ambulatory practice at
6 that building, and a teaching practice at University
7 Hospitals.

8 Q. Do you work for USHC, Inc.?

9 A. Yes.

10 Q. What does USHC, Inc. stand for?

11 A. The same as the health center, University Suburban
12 Health Center.

13 Q. Was that corporation your employer when you provided
14 medical care to Joe Frankhauser in June of 2002?

15 A. Yes, it was.

16 Q. Do you have privileges to admit patients at any
17 hospitals?

18 A. Yes, I do.

19 Q. Which ones?

20 A. At University Hospitals in Cleveland.

21 Q. If Joe Frankhauser as your patient needed admission
22 to the hospital, he would have gone to University
23 Hospitals?

24 A. He could have gone to University Hospitals, yes.

25 Q. Well, if you were admitting him you would have put

1 him in University Hospitals; would you have not?

2 A. Yes.

3 Q. How did Joe become a patient of yours?

4 A. Well, we can get patients either that call and are
5 referred to us by somebody else or on the recommendation
6 of a current patient.

7 Q. Sir, I'm asking about Joe Frankhauser. How did he
8 become a patient of yours?

9 A. Well, I found out later that his previous physician
10 had retired and moved to the VA. There was some
11 recommendation by his oncologist that he needed an
12 internist, and that a friend or neighbor of the family had
13 recommended our office and that somebody in our office was
14 unable to see him, so they ended up with me.

15 Q. Doctor, I see you don't have Joe's medical chart in
16 front of you. If you feel that that would be helpful in
17 your testimony, please feel free to refer to it.

18 A. Okay.

19 Q. How long had Joe been a patient of yours?

20 A. Approximately a year and a half.

21 Q. Please explain what your role was as Joe's internist.

22 A. Same role as it is for many other patients who come
23 by. To help take care of them.

24 Q. When is the first time you took care of Joe?

25 A. I believe it was in the summer of 2000.

1 Q. Did you do an overall examination of him the first
2 time?

3 A. His first examination happened to be a protracted
4 visit, yes.

5 Q. Did you make up a problem list of Joe's health
6 problems?

7 A. Yes, I did.

8 Q. Doctor, am I correct that Joe's cholesterol at the
9 time was about 220?

10 A. His cholesterol was drawn previous to him coming to
11 my office that day.

12 Q. And what does the latest lab work show as far as what
13 his cholesterol was?

14 A. 220.

15 Q. That's elevated, isn't it?

16 A. It depends. In 2002 the recommendations had been
17 between 200 and 209 for somebody's cholesterol. But there
18 are many several different breakdowns in the cholesterol
19 products. So 220 is above 200.

20 Q. Doctor, didn't you write a letter to Joe Frankhauser
21 after you did this initial evaluation -- did you write him
22 a letter summarizing what you found in this protracted
23 physical you did the first time?

24 A. Yes, I did.

25 Q. And do you remember writing that letter that Joe's

1 cholesterol should be less than 200?

2 A. Yes, I did.

3 Q. Okay. So what are you trying to tell us that it's
4 200 or 209 when you wrote a letter to Joe telling him it
5 should be below 200?

6 A. Just what it says; the cholesterol is 209, is above
7 200, and that I recommended it be around 200 or less.

8 Q. I'm sorry, Dr. Cirino, I didn't hear your answer to
9 my question as to why you wrote to Joe on December 30th,
10 2000 that it should be less than 200 and you told this
11 jury that back in that time it was 200 to 209.

12 A. Because they're both correct.

13 Q. What were his triglycerides?

14 A. I believe they were 266, but we could refer to that
15 and find the exact number.

16 Q. That is also elevated, isn't it?

17 A. That is elevated.

18 Q. What are triglycerides?

19 A. Triglycerides are the fats that are in the blood.
20 They change with each meal, they change if you're fasting
21 and what you've just eaten and how long they've been in
22 your body. They change whether somebody has diabetes and
23 whether somebody is on certain medications.

24 Q. They should be less than 130?

25 A. That depends when they're drawn, Mr. Volsky.

1 Q. Did you say that in your letter to Mr. Frankhauser,
2 that it depends when it's drawn? Didn't you say for
3 someone without a history of heart disease these should be
4 less than 200, meaning the cholesterol, and 130
5 triglycerides to begin with; is that what you wrote to Mr.
6 Frankhauser?

7 A. That is what is written. A number less than 130
8 implies a fasting triglycerides value.

9 Q. What was his good cholesterol?

10 A. Good cholesterol could not be determined from those
11 labs that were available.

12 Q. Isn't it important to know the ratio between the good
13 and bad cholesterol?

14 A. I think it's important to know that.

15 Q. I've heard, you know, that it's supposed to be 4:1
16 ratio. You're supposed to have four times -- one-fourth
17 good cholesterol to 4 bad cholesterols. Is that fair?

18 A. No.

19 Q. Please tell us what it is.

20 A. You look at the total cholesterol ratio divided by
21 the HDL.

22 Q. That's the good cholesterol?

23 A. HDL is the good cholesterol.

24 Q. But you didn't have that ratio between the good and
25 bad cholesterol, did you?

1 A. No, I did not.

2 Q. So how did you know whether or not Joe's ratio of
3 good cholesterol to bad cholesterol was dangerously low?

4 A. You cannot infer it from those unless you use a
5 formula to take out the triglycerides and figure what the
6 remaining LDL, which is the bad cholesterol, and HDL,
7 which is the good cholesterol, are left.

8 Q. You didn't have any information to make that
9 determination, did you?

10 A. No, I did not.

11 Q. Am I correct that the problem list that you made, you
12 made a problem list of all the things wrong with Joe based
13 on his first evaluation; did you not?

14 A. I did.

15 Q. And that's so you would have it for future reference
16 so you could take care of this patient long term and
17 always remember what type of problem list he has so that
18 you can always consider it; isn't that fair?

19 A. Yes, it is.

20 Q. Am I correct that that problem list that you made on
21 Joe's first visit included something which you wrote in
22 your own handwriting; hyperlipidemia? Isn't that on the
23 list?

24 A. Yes, it is.

25 Q. What does that mean, hyperlipidemia?

1 A. Hyperlipidemia is any single blood cholesterol value
2 over 200.

3 Q. But you decided not to put him on cholesterol
4 lowering medications; isn't that true?

5 A. Appropriately so.

6 Q. What was the game plan as far as his cholesterol?

7 A. I mentioned this in the letter also. That whenever
8 you see somebody that has an initial value that's
9 elevated, the very first step is either redraw the blood
10 cholesterol or explain to people the dietary and exercise
11 factors that could possibly lower cholesterol. It's
12 exceedingly unusual that somebody who has a cholesterol
13 value 10% over normal immediately be put on cholesterol
14 lowering medications if that was the only value you had to
15 base that on. So he was written a letter that suggested
16 various dietary changes and some aerobic exercise changes
17 that would help to lower it.

18 Q. Are you done with your answer, sir?

19 A. I am.

20 Q. Thank you. Your letter on December 30th to Joe said,
21 It may be good at some point, about six months, to check a
22 fasting cholesterol profile including a good and bad
23 cholesterol. What did that mean?

24 A. Exactly what it says.

25 Q. Can you explain it to the jury what a fasting

1 cholesterol is. I know that's what it says, but, you
2 know, people don't quite understand it as well as you do.

3 A. Okay. If I request that somebody come in for a
4 fasting cholesterol, they'll generally not to have eaten
5 from the night before until the morning or day they come.
6 If they come in later in the day, they might have just
7 missed breakfast and lunch.

8 Q. Now, that was in December 2000 that you talked about
9 doing a fasting cholesterol check, the ratio of the good
10 and bad cholesterol?

11 A. Yes.

12 Q. How many times did you see Joe in your office between
13 December 2000 and June 18, 2002 when he passed away?

14 A. It was a total of four times, I believe.

15 Q. So as of June 2002 when Joe died, one and a half
16 years later of a heart attack from a cholesterol laden
17 plaque in his arteries, had you ever checked Joe's
18 cholesterol like you had planned?

19 A. No.

20 Q. Did you ever find out what Joe's good cholesterol
21 was?

22 A. Yes.

23 Q. Yes?

24 A. Yes.

25 Q. And how did you do that?

1 A. Looked on the computer.

2 Q. Excuse me?

3 A. Looked on the computer.

4 Q. Did he have other blood lab work done from the time
5 that you saw him in December of 2000 until he passed away?

6 A. I believe he had other blood tests, yes.

7 Q. Were they in your records?

8 A. No, they're not in the records. They're in a
9 computer because they're University Hospitals records.
10 They're not my own records.

11 Q. Did you ever put him on cholesterol lowering
12 medicine?

13 A. No, I did not.

14 Q. Did you know how old Joe was?

15 A. Yes, I do.

16 Q. How old was he?

17 A. Well, at the time I last saw him he was 70 years old.

18 Q. As a person ages would you agree with me that the
19 increased risk in age, that fact alone without any other
20 risk factors, puts that person at increased risk for heart
21 disease?

22 A. Age is a risk factor in heart disease.

23 Q. So you agree with that statement?

24 A. Age is a risk factor in heart disease, yes.

25 Q. A 50-year-old is at an increased risk than a

1 40-year-old?

2 A. The debate between the earliest when it becomes a
3 bigger risk factor at 10, 20, 30, now it's between 40 and
4 50, it's hard to put an exact number on any decade in
5 life. In general, the older you get the more likely it is
6 that age plays a role.

7 Q. A 60-year-old is at increased risk than a
8 50-year-old. Would you agree with that?

9 A. Yes.

10 Q. You would agree with a lot of people in their 50s are
11 having heart problems and even more in their 60s?

12 A. There are more 60-year-olds than 50-year-olds in the
13 country, so that would be a completely true statement.

14 Q. Is that the reason why there are more, the reason
15 there are more 60-year-olds having heart problems is
16 because there are more of them or because they're at
17 greater risk?

18 A. That would be the math on that if the denominator and
19 enumerator are both larger, then yes.

20 Q. A 70-year-old is at increased risk than a
21 60-year-old, would you agree with that?

22 A. Okay.

23 Q. There are a lot of people in their 60s having heart
24 problems and even more in their 70s. Wouldn't you agree?

25 A. Okay.

1 Q. Did you know that Joe was a pack-a-day-smoker for 40
2 years?

3 A. Yes.

4 Q. 40-year pack-a-day is a significant smoking history?

5 A. Yes.

6 Q. Even though he quit 12 years before, it's a
7 significant problem?

8 A. It is very significant that he quit for 12 years
9 also.

10 Q. For 40 years he had smoked a pack a day. That does
11 damage to your cardiovascular system along the way,
12 doesn't it?

13 A. During the time that you're smoking, yes, it can.

14 Q. Did you know that Joe had a sister and mother who
15 each had hypertension?

16 A. I knew he had a sister with hypertension and a
17 mother.

18 Q. That Joe's mother had died of a CVA, or a stroke, did
19 you know that?

20 A. Yes, I did.

21 Q. What is a CVA?

22 A. Technically the letters CVA stand for cerebrovascular
23 accident. It's a general term for people that have a
24 stroke.

25 Three different kinds of strokes. It

1 could be anabolic stroke that is due to irregular
2 heartbeat, due to bleed in the head, and one of the three
3 could be due to a clot that's in the brain.

4 Q. Do you know which kind Joe's mother had?

5 A. No, I do not.

6 Q. Did you know Joe's dad died of congestive heart
7 failure?

8 A. Yes.

9 Q. That's a heart problem, right?

10 A. That's a heart problem.

11 Q. Did you know Joe's dad had suffered a major heart
12 attack years before he died?

13 A. I believe he had acute coronary disease.

14 Q. Did you know that he had a heart attack years before
15 he died?

16 A. I'm not sure that I did.

17 Q. The fact that Joe's dad had died of a heart problem
18 and his mother had died from a stroke, was that a
19 concerning family history as far as Joe was concerned?

20 A. No.

21 Q. Why not?

22 A. When you look at family history, what you're really
23 looking for for acute coronary heart disease is a first
24 degree relative; brother, sister, mother or father, who
25 has premature coronary disease, age less than 55, and was

1 a non-smoker, because that make a difference. So neither
2 his parents were below the age of 55 when they had a
3 stroke, heart attack, acute coronary disease, or
4 congestive heart failure.

5 Q. Well, Joe was as old or as close to as old as his
6 parents when they had that problem. Isn't that important?

7 A. Well, it may be of interest. You can make no
8 determination on that when it's well accepted that the
9 risk factor is premature coronary disease age less than
10 55.

11 Q. Given the fact that Joe was 70 years old with a
12 family history we've described, the fact he smoked a pack
13 a day for 40 years and had elevated cholesterol, how would
14 you describe Joe's cardiac risk at that time?

15 A. Well, let's see, Mr. Frankhauser was a gentleman at
16 the age of 70. Men and women have almost equal risk of
17 heart disease, especially after menopause in women their
18 risk goes up tremendously. For both sexes, age of 70 we
19 established is greater than 60 or 40 or 30 or 20 or 10.
20 The risk factor for a smoker. The risk factor is smoking
21 when you're an active smoker. The longer you have not
22 smoked the less a risk it is for you for other
23 complications of tobacco-related disease such as things in
24 the lung, esophagus, et cetera. When you have stopped
25 smoking, you no longer have the daily irritant from the

1 tobacco that's working on your heart. What's already been
2 done is done. But the heart has a tremendous capacity to
3 remodel, and therefore it's current smoking that is the
4 risk factor.

5 When you look at the cholesterol, you have
6 not mentioned what also was in the very first note, which
7 was that Mr. Frankhauser was just coming off chemotherapy.
8 He initially weighed somewhere between 210 and 220 pounds.
9 He had lost almost 50 pounds. He weighed 165 pounds at
10 the lowest weight he was at. He was just regaining some
11 of the weight. Would it be unusual that he had
12 cholesterol that was slightly elevated after having gone
13 through several years of recovering from esophageal
14 cancer? I would suggest that that answer is no. During
15 the time that he was treated, we know that his cholesterol
16 was in the 100s, 133, 150s, 170s. The 220 value Mr.
17 Volsky has picked out is the highest value that was there.
18 It was also the most recent value. So appropriately,
19 according to what we practice, recommendations for
20 exercise and diet now that he had gained a little bit of
21 weight back but he was still not back to his upper weight.
22 He was still about 24 pounds less than when he was before
23 the surgery.

24 Q. Doctor, will you answer my question now?

25 A. You asked me about the risk factors and what that

1 means for Mr. Frankhauser. So far we have that he's a
2 70-year-old male; that he's a former smoker, not a current
3 smoker; that he had one hyperlipidemia value of 220 that
4 was drawn prior to him coming in; that because of the
5 changes in his body after surgery he had lost a
6 significant amount of weight already and was just starting
7 to gain it back. We also know that he did not have high
8 blood pressure, he did not have diabetes, and the most
9 important risk factor of all is he had no previous
10 coronary disease as mentioned in the letter. So basically
11 gave him a risk of a 70-year-old man, which would have
12 been the same as a 70-year-old woman, and his age and sex.

13 Q. Doctor, do you remember I had asked you on Page 34 of
14 your deposition on Line 2 I asked you, Given his family
15 history, his pack-a-day-40-year smoking history and
16 hyperlipidemia, how would you describe Mr. Frankhauser's
17 cardiac risk at that time? And your answer was, Average
18 for an adult male. Do you remember that?

19 A. I think we just established that.

20 Q. Did you mean the same as an adult male who was 30?

21 A. We were talking specifically about Mr. Frankhauser.
22 We were talking about Mr. Frankhauser at that time.

23 Q. When you say that he was at average risk for an
24 average adult male, adult males are all different ages,
25 aren't they? There are some adults that are 30 and

1 there's some adults that are 80. Wouldn't you agree with
2 that?

3 A. I agree that some adults are 30 and some are 80. But
4 you had asked about Mr. Frankhauser.

5 Q. When you answered it was average for an adult male,
6 are you telling us what you meant is an average adult male
7 that is 70 years old?

8 A. Yes.

9 Q. So the fact he smoked for 40 years didn't put him at
10 any greater risk than the average adult male who was 70
11 who never smoked in his life?

12 A. Smoking plays a role in people's health. Not just
13 their coronary artery syndrome, but their lungs, their
14 blood vessels, their blood pressure, many other things.
15 What you also need to know is when you stop smoking, these
16 things have the ability to change. They have very little
17 capacity to change in the lungs, they have some capacity
18 to change in the heart, and they have some capacity to
19 change in the great blood vessels. So it is more
20 important to Mr. Frankhauser that he had stopped smoking
21 almost 12 years before I had met him.

22 Q. Are you a greater expert in cardiology and the heart
23 than Dr. Garrett?

24 A. I am not.

25 Q. Do you have any special training in cardiology that

1 has allowed you to render such opinions and medical
2 analyses regarding the recoverability of heart tissue
3 after the withdrawal of smoking after the man put that
4 poison in his body a pack a day for 40 years?

5 A. I merely said the body has the capacity to change. I
6 did not quantify it.

7 Q. But to some extent, those arteries and the
8 cholesterol in them, there's damage done that can't be
9 undone when somebody smokes for 40 years. Wouldn't you
10 agree with that?

11 A. There's honestly no way to know that.

12 Q. How do you know it gets better?

13 A. You have to look at what happens to people throughout
14 their lifetime.

15 Q. Have you done studies in that area?

16 A. I've not done any studies in that area.

17 Q. You knew that Joe had a cancerous tumor removed from
18 his ear?

19 A. Yes, I believe I did.

20 Q. And you knew he had esophageal cancer?

21 A. Yes, I did.

22 Q. Did you know whether Joe continued to go to
23 specialists, doctors for the ear cancer and esophageal
24 cancer, who followed him to make sure his cancer had not
25 come back?

1 A. I believe so.

2 Q. Was there any sign that his skin cancer had come
3 back?

4 A. I don't believe so.

5 Q. You received periodic updates from those doctors,
6 didn't you?

7 A. I only received periodic updates from Dr. Levitan for
8 the esophagus.

9 Q. Not Dr. Lynch?

10 A. I did not receive from Dr. Lynch.

11 Q. Dr. Lynch is one of the top doctors in town, isn't
12 he, in his specialty?

13 A. Okay.

14 Q. Isn't he?

15 A. He does a very specialized procedure called MOHS,
16 M-O-H-S surgery, where you peel off layer by layer cell by
17 cell the tissue layers of skin cancer. There's very few
18 people that do that.

19 Q. Dr. Clayman is a very well-respected surgeon in town
20 that did Joe's surgery?

21 A. Dr. Clayman is retired now.

22 Q. Back then.

23 A. She's a cardiothoracic surgeon, yes.

24 Q. What was her reputation?

25 A. Tough.

1 Q. Good surgeon?

2 A. Good surgeon.

3 Q. Excellent reputation?

4 A. Excellent reputation.

5 Q. Dr. Levitan is an oncologist. Excellent reputation?

6 A. I know Dr. Levitan. Yes.

7 Q. Do you agree he has an excellent reputation in the
8 city?

9 A. Yes.

10 Q. Gerry and Joe were trying to go to the top doctors in
11 town. Wouldn't you agree with that?

12 A. Yes.

13 Q. You got letters and reports from Dr. Levitan, the
14 cancer specialist, every time Joe saw him, didn't you?

15 A. Yes.

16 Q. And Dr. Levitan?

17 A. I'll take that back. If there were other times that
18 he saw him, how would I know that?

19 Q. I understand. You regularly got reports from Dr.
20 Levitan as to how Joe was doing?

21 A. I did.

22 Q. Did you receive a letter from Dr. Levitan dated May
23 2nd, 2002?

24 A. Yes. Can I see my own records now?

25 Q. Yes. Please feel free.

1 A. Okay. Mr. Volsky, you asked about one that was in
2 May.

3 Q. Yes. May 2nd, 2002.

4 A. Yes.

5 Q. This is the second page of that letter, isn't it?

6 A. Yes, it is.

7 Q. And you have a little notation at the top of the
8 first page. Is that the day you received it?

9 A. The day I put on the letter is the date I read it and
10 receive it.

11 Q. What is that day?

12 A. May 1st, 2002.

13 Q. That was about a month to a month and a half before
14 Joe died; is that right?

15 A. That would have been one month.

16 Q. Am I correct that that report from Dr. Levitan said
17 that a CT Scan -- which is like a sophisticated x-ray,
18 would you agree with that?

19 A. CT Scan is a three-dimensional x-ray.

20 Q. It showed a problem in Joe's lung, didn't it?

21 A. Yes, it did.

22 Q. It also says that the radiologist -- that's a person
23 who interprets the CT Scans and the x-rays, specialist?

24 A. Yes, it is.

25 Q. That radiologist interpreted that CAT Scan and said

1 that the lesion could possibly represent an endobronchial
2 lesion, couldn't it?

3 A. What we're reading here, understand please, is Dr.
4 Levitan's interpretation of the radiologist's
5 interpretation of the actual scan.

6 Q. And Dr. Levitan says the radiologist has said that it
7 could possibly represent an endobronchial lesion. Do you
8 have any reason to dispute or question that the
9 radiologist actually said that and that Dr. Levitan read
10 the report and is now indicating in his letter what the
11 radiologist told him?

12 A. Yes, that is what the radiologist had said. It's not
13 on this page.

14 Q. That could possibly -- Endobronchial lesion is what?

15 A. The inside of most everything is endo. The outside
16 is the ecto. So as you breathe in, air will go down the
17 inside of your trachea, then your bronchial tubes and the
18 bronchi continue to divide. Endobronchial lesion could be
19 any lesion inside one of the windpipes, or the main
20 bronchus, or any of the bronchi underneath it.

21 Q. Okay. To us lay people, we're talking about cancer
22 of the lung, aren't we?

23 A. Not necessarily. An endobronchial lesion could occur
24 arising from any source. It may be in the lung, but it
25 might be metastatic from somewhere else. It may be

1 arising from the lung itself. Endobronchial lesion could
2 also be any sort of infection, inflammation, blood cancer,
3 lymphomatous tissue. There's actually quite an array of
4 things. That's why it's called endobronchial lesion.
5 That's not definitive to anything.

6 Q. Could have been a metastatic tumor from esophageal
7 cancer?

8 A. It could have.

9 Q. Dr. Levitan goes on to tell you, I'm quoting, I am
10 concerned about the possibility that Mr. Frankhauser may
11 have either a pulmonary neoplasm. What is a pulmonary
12 neoplasm?

13 A. Typical lung cancer arising from the lung itself.

14 Q. Or metastatic disease from his esophageal cancer.
15 What would that be?

16 A. Metastases is the word for when cancer reoccurs or
17 occurs in a place than the original tumor.

18 Q. But Dr. Levitan goes on in his record, doesn't he,
19 and says it could be a pneumonia, doesn't he?

20 A. He says the CT could be consistent with pneumonia.

21 Q. And he will give Joe a treatment of antibiotics and
22 repeat the CAT Scan in a month?

23 A. This is true.

24 Q. A concerning letter, wouldn't you agree?

25 A. I would say so.

1 Q. Especially when Joe had such a deadly type of cancer
2 where most people who get it die within five years.
3 Wouldn't you agree with that?

4 A. I agree.

5 Q. And Joe was out four years at that time since his
6 cancer had been treated in 1998; is that true?

7 A. He was treated in 1998, yes.

8 Q. Doctor, what is the next thing you found out about
9 Joe based on his medical chart? Please look at your
10 chart.

11 THE COURT: Mr. Volsky, we're going to
12 take a break in about five minutes. You tell us
13 when it's good.

14 MR. VOLSKY: Okay, Your Honor. Thank you.

15 A. June 17, 2002.

16 Q. (BY MR. VOLSKY) Is that this page that's blown up
17 here?

18 A. Yes, it is.

19 MR. VOLSKY: Can you see it, Your Honor?

20 THE COURT: That's fine.

21 Q. (BY MR. VOLSKY) So what is the next thing you hear
22 about Joe after getting that concerning letter?

23 A. The entry from June 17th, 2002.

24 Q. And that's about a month after getting that
25 concerning letter from Dr. Levitan that Joe's cancer might

1 be back?

2 A. Yes, it is.

3 Q. And what is it that's in the note? What is it that's
4 the next thing you hear about Joe Frankhauser after
5 getting that letter?

6 A. There's a message that was left at our office.

7 Q. Okay. What does that message say?

8 A. Says, Phone, CP, chest pain, with pain radiating down
9 both arms. Will go to the ER.

10 Q. And underneath that there is a note with the date
11 June 18th and your handwriting; is that right?

12 A. Yes, it is.

13 Q. That is a note on your record about your telephone
14 conversation with Dr. Kundtz the day before, correct?

15 A. It is.

16 Q. Please read for us what your note says.

17 A. I spoke with ER physician, negative EKG during pain.
18 Give sublingual nitroglycerin as trial. Follow up in
19 office for G.I. evaluation. Had CT, labs 4/02. Positive
20 pulmonary nodule.

21 Q. What does that mean, Had CT -- what does that say?

22 A. Labs.

23 Q. Labs 4/02. That's April '02?

24 A. Yes.

25 Q. What does that say?

1 A. Positive.

2 Q. Positive pulmonary nodule?

3 A. Pulmonary nodule.

4 Q. The possible cancer?

5 A. Yes.

6 Q. Do you recall the conversation you had with Dr.
7 Kundtz that day?

8 A. Yes, I do in some part.

9 Q. Why don't you tell me everything you remember about
10 that conversation.

11 THE COURT: That will be a fairly long
12 answer. Why don't we take a break before we do
13 that. We're going to take another -- Well, let's
14 make this another ten-minute break since you had a
15 ten-minute break earlier. While you're out of the
16 room keep in mind the instructions.

17 Rise for the jury.

18 - - -

19 (Thereupon, a recess was had.)

20 - - -

21 THE COURT: I think I should explain to
22 the jury, as far as I can tell, we are on
23 schedule, so things are moving along as we can
24 expect. In terms of the overall time schedule, if
25 our anticipation is appropriate, indeed it's

1 possible we might finish slightly earlier than
2 that.

3 All right. You may proceed.

4 MR. VOLSKY: Thank you, Your Honor.

5 Q. (BY MR. VOLSKY) Dr. Cirino, before we broke I had
6 asked you to tell me everything you remember about your
7 conversation with Dr. Kundtz. Would you please do that.

8 A. Yes. I remember he had called. I was paged. I
9 answered the page. I spoke with him. Identified myself.
10 These were things that would be true. He let me know a
11 little bit about what was going on at the time of that
12 visit, as is often the case. Talked in a vague sort of
13 presentation, you know, could we have some follow up. You
14 know, could I see him. Did I know him. Could I take care
15 of him. Nothing very specific in that regard.

16 Q. Did he tell you they couldn't come up with anything
17 definite?

18 A. I can't quote those were his words, no.

19 Q. Did he inform you of the findings he had at that
20 point in time?

21 A. I believe he told me about an EKG or chest x-ray or
22 blood test, yes.

23 Q. Did he tell you that that patient had come in to the
24 emergency room with chest pain?

25 A. I don't believe he did.

1 Q. Did he tell you that he had heartburn symptoms that
2 had been gradually worsening over a month and now were
3 severe enough that he had come to the emergency room?

4 A. He may have said those things. I don't have any
5 direct recollection that those were the exact words that
6 Dr. Kundtz used.

7 Q. But your recollection is that he told you about some
8 chest discomfort that was not very well-characterized?

9 A. I believe what we heard so far was epigastric
10 discomfort.

11 Q. That's pretty well-characterized, wouldn't you say?

12 A. Epigastric? How could that be well-characterized?
13 That involves too many things.

14 Q. Because it's a certain type of chest discomfort?

15 A. Epigastric is the area that's below your xiphoid
16 process, which is the arrow point at the bottom of your
17 sternum there. So it's neither entirely in the abdomen
18 nor is it entirely in the chest. That's why it's called
19 epigastric.

20 Q. Doctor, on Page 51 of your deposition on Line 20 I
21 asked you the question, Do you recall the conversation you
22 had with Dr. Kundtz that day? And you answered, I do
23 recall some elements of it. And then I asked you, Why
24 don't you tell me everything that you remember about that
25 conversation. And you answered, That there was some --

1 There was this idea of some chest discomfort that was not
2 very well-characterized that they couldn't come up with
3 anything definite on. He called to inform me of the
4 findings they had at that point in time.

5 Do you remember that answer?

6 A. That sounds reasonable. Yes.

7 Q. So at that point, closer to the time this happened,
8 you told me that Dr. Cirino had told you about chest
9 discomfort, not epigastric discomfort.

10 THE COURT: Excuse me, this is Dr. Cirino.

11 Q. (BY MR. VOLSKY) I apologize. Dr. Kundtz told you
12 that at the time. You said that Dr. Kundtz told you that
13 there was some chest discomfort, you didn't talk about it
14 that time that it was epigastric discomfort now that you
15 just distinguished there's a difference between epigastric
16 discomfort down here and chest discomfort up here.

17 A. Exactly what I said; epigastric is midway between the
18 two. Neither completely in the abdomen nor completely in
19 the chest. It's certainly reasonable that chest or
20 abdomen or epigastric can all mean the same thing at
21 certain times depending what the context and the situation
22 might be.

23 Q. So what he told you about was this idea of some sort
24 of chest discomfort that wasn't very well-characterized?

25 A. Okay.

1 Q. Is that right?

2 A. Well, we'll have to take that, as that was my
3 deposition at that time.

4 Q. That was your recollection at the time?

5 A. I'm sure it was my recollection at the time.

6 Q. Okay. Do you recall that Joe's prior esophageal
7 cancer was discussed at that time?

8 A. No, I can't say that I do.

9 Q. Have you told us everything you remember was
10 discussed?

11 A. I can't say that I have. There might be some other
12 prompt or something else that will help me remember
13 something else. But in general, when I'm called, I
14 usually hear a little bit about the presenting symptoms
15 and a little bit about the lab work and that's what I
16 recall.

17 Q. I'm just asking you so that we don't have any
18 misunderstanding later. As you sit here now, can you
19 remember anything else about your conversation with Dr.
20 Kundtz?

21 A. I don't remember any words that were used, but I'm
22 sure there were other things that we discussed.

23 Q. Now, Dr. Kundtz told us before that he thought that
24 the two of you very definitely discussed the fact he had
25 had esophageal cancer and there was this recent ominous --

1 potentially ominous letter from Dr. Levitan about this
2 pulmonary nodule that you write about in the summary of
3 your conversation with Dr. Kundtz. Is that correct?

4 A. Well, it may not be entirely correct. First of all,
5 Dr. Kundtz couldn't remember everything that he talked
6 about, and in fact recollected very little about it. I
7 don't blame him. There was nothing written down there at
8 the time. At the time I received this phone call I was at
9 home. So I'm not sure how much I knew about what I had
10 previously read the month before on Mr. Frankhauser. This
11 note was written when I got to the office the next
12 morning.

13 Q. I see. So it could have been after your conversation
14 with Dr. Kundtz you came in, looked at the chart and then
15 said, I see this letter from Dr. Levitan about a pulmonary
16 nodule?

17 A. That's hypothetically correct.

18 Q. You don't remember either way?

19 A. Well, no. I do remember that I was at home when I
20 got this particular telephone call, so I could not have
21 had the chart at the time.

22 Q. You might have remembered getting this alarming
23 letter from Dr. Levitan a month before indicating that
24 Joe's cancer might be back. You might have remembered
25 that at the time of your discussion with Dr. Kundtz,

1 wouldn't you agree?

2 A. I would like to say that I can remember every letter
3 I get about every patient that comes in to see me, but I
4 can't honestly quite say that I would have known that at
5 the time that I spoke with Dr. Kundtz. If I did, I would
6 certainly tell you.

7 Q. You don't remember one way or the other, fair?

8 A. No. I think it's more fair to say that when I got to
9 the office the next morning I did look it up.

10 Q. Doctor, you received eventually another letter from
11 Dr. Levitan, the cancer doctor, with good news, didn't
12 you?

13 A. Eventually I did.

14 Q. This letter from Dr. Levitan is dated June 13, 2002;
15 is that right?

16 A. It is dated June 13, 2002.

17 Q. So it was written five days before you saw Joe on
18 June 18th, fair?

19 A. No. It was actually dictated 6/22/2002 at 9:45 in
20 the morning.

21 Q. Fair enough. Fair enough.

22 A. So it would have been I had already seen Mr.
23 Frankhauser.

24 Q. So is it fair to say Mr. Frankhauser had seen Dr.
25 Levitan on the 13th when he dictated the letter and put

1 the 13th at the top? Would your agree with that, or do we
2 have to look at Dr. Levitan's office records to show when
3 his appointment was?

4 A. I have no doubt his appointment might have been on
5 6/13/2002, but this was not dictated until 6/22/2002 at
6 9:45 a.m.

7 Q. I understand. The letter said after a course of
8 antibiotics Joe had another CAT Scan of his chest and the
9 abnormality that Dr. Levitan suspected was cancer was now
10 gone. Is that a fair characterization of what Dr. Levitan
11 told you?

12 A. Dr. Levitan wrote this letter, dictated this letter,
13 and I received it on June 30, 2002.

14 Q. Thank you. That wasn't at all my question. My
15 question was that this letter said that after a course of
16 antibiotics Joe had another CAT Scan of his chest and the
17 abnormality that Dr. Levitan suspected might be cancer was
18 now gone?

19 A. It says the previously described upper right lobe
20 infiltrate has cleared.

21 Q. So am I correct, yes, the letter says after a course
22 of antibiotics Joe had another CAT Scan of his chest and
23 the cancer that Dr. Levitan suspected was gone?

24 A. Yes.

25 Q. Thank you. It will go a lot faster if you answer my

1 questions, sir.

2 The fact that it was gone probably meant
3 it was pneumonia that was cleared up by the antibiotics
4 but definitely not cancer. Would you agree with that?

5 A. Well, it says that the right upper lobe infiltrate
6 has cleared. It was not called pneumonia in the first
7 place. That was one of the things on the list that was
8 there. He was given an antibiotic. That was probably six
9 weeks before that. So the CT Scan stands for what it is.
10 It says whatever was there before had cleared.

11 Q. Cancer doesn't vanish on CT Scans, does it?

12 A. If you know that.

13 Q. Doctor, will you answer my question. A CT Scan, if
14 the nodule vanishes that means it wasn't cancer. Would
15 you agree with that, yes or no?

16 A. In general, if you're suspecting cancer and there's a
17 change in the CT Scan, yes, it can mean that it was either
18 not cancer in the first place, but you can't say. A CT
19 Scan is a radiographic tool. It doesn't tell you for sure
20 what it is. It does say something has changed.

21 Q. Doctor, I'm sorry if I'm a little frustrated. It's
22 been a long day so I guess I'm getting a little frustrated
23 that I can't get a straight answer.

24 This is what he says; that a CT Scan of
25 the chest performed April 9 shows an ill-defined density

1 that was possibly an endobronchial lesion. Doesn't it say
2 that?

3 A. Yes.

4 Q. I prescribed a course of Biaxin antibiotic therapy
5 and arranged for repeat CT Scan of the chest, right?

6 A. Yes.

7 Q. On June 3 he underwent the CT Scan of the chest.

8 A. Yes.

9 Q. This was read and in comparison with the prior study.
10 The previously described right upper lobe infiltrate has
11 cleared. Right. So it's no longer there in the lung?

12 A. Correct.

13 Q. No hilar or adenopathy. There is no evidence of
14 recurrent or metastatic malignancy.

15 Doesn't that mean Dr. Levitan has
16 concluded that based on the fact this thing was gone after
17 the course of antibiotics that it wasn't cancer?

18 A. That is his conclusion.

19 Q. Okay. That took five minutes.

20 THE COURT: Excuse me. Please don't make
21 remarks. Just ask questions.

22 MR. VOLSKY: I'm sorry, Your Honor.

23 Q. (BY MR. VOLSKY) Doctor, when did you receive that
24 letter?

25 A. June 30, 2002.

1 Q. So when you got that letter Joe was already dead; is
2 that right?

3 A. That is correct.

4 Q. You never learned before his death that the worrisome
5 abnormality suspected to be cancer was in fact not cancer?

6 A. No, I did not.

7 Q. So when you were talking to Dr. Kundtz on that
8 fateful day, cancer was still suspected as far as you
9 knew?

10 A. As far as I knew.

11 Q. You made a note of your conversation with Dr. Kundtz
12 in your records, correct?

13 A. Yes.

14 Q. And your note summarizing your conversation said that
15 Joe was coming in for a G.I. evaluation, not a G.I. heart
16 evaluation; isn't that true?

17 A. It said G.I. evaluation. It did not say limited to
18 G.I. evaluation.

19 Q. It did not say limited to G.I. evaluation?

20 A. I don't believe limited was in there.

21 Q. No, limited wasn't in there. Are you telling me that
22 statement you wrote, follow up for a G.I. evaluation,
23 meant he's coming in for an evaluation but it's not
24 limited to a G.I. evaluation?

25 A. It says just what it says. It says follow up in the

1 office for a G.I. evaluation.

2 Q. Thank you. Let's get back to your conversation with
3 Dr. Kundtz. Did you discuss that the EKG was negative?

4 A. I would say so, yes.

5 Q. Did you discuss that the blood enzyme test was
6 negative?

7 A. I did not write it down there, but I would suggest
8 that if we talked about the EKG then we probably talked
9 about the enzyme tests.

10 Q. Your note says that an EKG was done during pain and
11 it was negative. We've talked about the significance of
12 an EKG being negative during pain.

13 A. Yes.

14 Q. So you would agree that you were aware that Dr.
15 Kundtz was giving tests to determine if Joe's problem was
16 his heart?

17 A. I was writing down what my recollection of the
18 previous night's conversation was.

19 Q. Okay. Using all your training and experience,
20 Doctor, if you write down negative EKG, you know that that
21 means that Dr. Kundtz was considering a heart problem, was
22 doing tests to consider a heart problem?

23 A. That would be a reasonable consideration.

24 Q. Thank you. You are fairly certain that Dr. Kundtz
25 discussed with you the things that had to do with your

1 heart -- with Joe's heart, aren't you?

2 A. Well, if we spoke about an EKG and blood work, we
3 were speaking of the heart, yes.

4 Q. You talked in general about various causes that could
5 be the cause of Joe's problems, did you not?

6 A. How much we talked about, I'm not quite sure.

7 Q. And you discussed the possibility of cardiac or heart
8 cause to the complaints, didn't you?

9 A. I think what we discussed was this was after the
10 visit was nearing its end, so we were speaking about the
11 EKG and speaking about the blood work and obviously some
12 of that was done with regard to heart issue.

13 THE COURT: Again, let me suggest, please
14 listen to the specific question. If it is one
15 that calls for a yes or no answer, begin your
16 answer with yes, or no, or I don't know, or I
17 can't answer. But you're welcome to give further
18 explanation, but it's easier for us if you begin
19 with a response that is direct.

20 THE WITNESS: Okay.

21 THE COURT: Thank you.

22 Q. (BY MR. VOLSKY) Doctor, on Page 61 of your
23 deposition I asked you a very simple question. Question,
24 Did you discuss cardiac as being a possible cause? And
25 you answered, I think I answered before when you asked the

1 same question that since we had discussed the EKG and
2 blood levels, yes, we had discussed some cardiac causes.

3 Is that correct?

4 A. I think that's what I just repeated here.

5 Q. You knew that based on Dr. Kundtz's evaluation that
6 he could not come up with anything definite; is that true?

7 A. I believe so.

8 Q. And nothing had been ruled in or ruled out by him?

9 A. Okay.

10 Q. Is that true?

11 A. Yes.

12 Q. That's a different answer than okay.

13 THE COURT: Don't argue with the witness.

14 Just ask questions.

15 Q. (BY MR. VOLSKY) What did you mean okay when you
16 answered when then you answered yes?

17 A. Okay and yes might mean the same thing when you come
18 up with -- I'm sorry. Can you ask the question again?

19 Q. Well, okay to me means if you say so and yes means
20 yes. Did you mean a difference in your answers of okay
21 and the next time yes?

22 A. What was the original question?

23 Q. We'll move on.

24 So nothing had been ruled in or ruled out?

25 A. Nothing had been ruled in or ruled out, yes.

1 Q. Which means it had not been confirmed, no diagnosis
2 or condition had been confirmed, and none had been
3 excluded?

4 A. Okay.

5 Q. Doctor, wouldn't you agree that in your experience
6 whenever you get called by an emergency department
7 physician they generally don't have time to definitely
8 rule in or rule out anything specific in such a limited
9 visit?

10 A. Well, that's not quite true.

11 Q. What is true?

12 A. If somebody comes in with pneumonia and they have an
13 x-ray that shows pneumonia and they give antibiotics,
14 they've essentially ruled that in. I'm certain there are
15 people that come into the emergency room that may not have
16 a definitive answer.

17 Q. Dr. Kundtz told us about how busy he is and it's not
18 his job to figure out what the patient's problem is all
19 the time, the not life-threatening ones.

20 A. If that's what Dr. Kundtz spoke for himself.

21 Q. Would you agree?

22 A. If that's what Dr. Kundtz says, that's fine.

23 Q. Is that what you expect from an emergency department
24 physician?

25 A. I expect an emergency department physician is going

1 to look at a patient, take care of the patient, take a
2 history, do whatever testing he feels is necessary and
3 come up with whatever decision he can make.

4 Q. The exception would be that to -- I'm talking about a
5 person with chest pain symptoms. The exception would be
6 that if the first EKG or blood enzyme shows that the
7 patient has had a heart attack, then the emergency room
8 physician can make that diagnosis immediately and get that
9 patient care. Would you agree with that?

10 A. That would be a fairly easy thing, yes.

11 Q. But short of that, you understood that Dr. Kundtz was
12 not in a position to make a final determination as to
13 whether or not a potential heart problem was the cause of
14 Joe's symptoms with only one EKG and one blood enzyme
15 test? You understood that, right?

16 A. Yes, I do.

17 Q. You would agree with me that heartburn or epigastric
18 pain and discomfort can be associated with too little
19 blood to the heart?

20 A. That's one of the many things that it can be
21 associated with, yes.

22 Q. Okay. You knew that the EKGs and blood enzymes that
23 were not spread out over hours and repeated at least two
24 more times could not eliminate the possibility that Joe
25 was having either a heart attack or unstable angina in the

1 University Hospitals emergency room?

2 A. I couldn't have known that.

3 Q. You couldn't have known what?

4 A. I couldn't have known everything that went on with
5 the visit. I knew that there was an EKG and I knew there
6 were some enzymes and there was no diagnosis made, so I
7 guess everything was still possible.

8 Q. Everything was still on the table?

9 A. Okay. Yes.

10 Q. What is a differential?

11 A. A differential in medicine is where you think about
12 all the possible and probable causes of anything that
13 comes in.

14 Q. A heart problem was still on the differential when
15 Joe walked out of the emergency room at University
16 Hospitals that night, wasn't it?

17 A. I suppose that's true, yes.

18 Q. Now, when you got off the phone with Dr. Kundtz at
19 some point you put down in the chart if you claimed -- and
20 I have no reason to dispute you -- you were home when you
21 got the call, you came to the office in the morning and
22 that's why it's dated the next day, but you put down in
23 Joe's records so there would be an accurate reflection of
24 the important parts of your conversation with Dr. Kundtz;
25 is that right?

1 A. Yes.

2 Q. And that's where your summary of that conversation
3 included quote, Follow up in office for a G.I. evaluation,
4 end of quote; is that right?

5 A. Yes, it is.

6 Q. If you understood after talking to Dr. Kundtz that
7 nothing had been ruled in or out, including his heart, why
8 did you write that he was coming in for a G.I. evaluation?

9 A. Well, at the time I wrote down what I felt was what I
10 got out of the conversation.

11 Q. Was it your understanding of the conversation with
12 Dr. Kundtz that you two had agreed that heart had been
13 excluded as a possibility and that what the two of you had
14 agreed to was that you would follow up and perform a G.I.
15 evaluation?

16 A. I think we can both agree, Dr. Kundtz and I, that Mr.
17 Frankhauser would follow up in the office to determine
18 what would be done there. I'm sure I would start all over
19 again and take a history and physical and try to come up
20 with a plan.

21 Q. Then why would you write down for G.I. evaluation?

22 A. Maybe to remind myself what happened the night
23 before. Perfectly reasonable.

24 Q. A G.I. evaluation is much different than a chest pain
25 evaluation. Wouldn't agree with that?

1 A. You're speaking of a gentleman who had both organs in
2 the same place.

3 Q. Are you saying and trying to tell this jury under
4 oath that when you said G.I. evaluation is because Joe had
5 some G.I. in his chest that that included a heart
6 evaluation?

7 A. I didn't say that, nor does that imply that, nor is
8 that limited to that.

9 Q. Is that your testimony that when you wrote G.I.
10 evaluation it included a heart evaluation because Joe had
11 G.I. in his chest?

12 A. No.

13 Q. Okay. Doctor, you're the one that decided to write
14 follow up for G.I. evaluation. That's your
15 decision-making process?

16 A. Yes.

17 Q. Are you telling us you were going to do an open-ended
18 evaluation including the heart and consider every
19 possibility without any bias towards the cause being G.I.
20 in nature?

21 A. No. Well, you can say that you would like to believe
22 that you don't have any bias for anybody under any
23 circumstances. We all know that things that you hear,
24 read about, see, may influence some of the things you will
25 do. So you take that part and then you do your own part.

1 You do what you think is the best thing to do.

2 Q. If you weren't really thinking that the problem was
3 G.I. and none other, why would you write down after
4 following up in office for G.I. evaluation this reference
5 to the CT lab and the labs of April 2 indicating a
6 positive pulmonary nodule which could have been a sign of
7 the return of his esophageal cancer?

8 A. Well, actually I thought it was a good idea since I
9 didn't have them at the time I spoke with Dr. Kundtz to
10 look at the records and see what was in there.

11 Q. And that's what you were putting two and two together
12 with? You indicated you were going to do a G.I.
13 evaluation because you looked in Joe's chart and you saw
14 Dr. Levitan's letter and you said, uh-oh, he's got
15 epigastric pain and he's in the emergency room and now
16 he's got this pulmonary nodule that Dr. Levitan thinks
17 could be cancer, and you started thinking, uh-oh, this
18 guy's cancer's back. Isn't that true?

19 A. Well, not only that. I mean, first of all, it would
20 be ridiculous that I didn't consider those things. If you
21 have that information, you must use it. You can't ignore
22 it. But that still doesn't limit you from looking at what
23 else is going on.

24 Q. Did you write down Dr. Kundtz hasn't ruled out heart
25 so I better look at the heart, too?

1 A. I don't think I would write that down. I did not
2 write that down.

3 Q. Okay. When Joe walked in your office that day you're
4 thinking G.I. and you're thinking pulmonary nodule and
5 you're thinking uh-oh, this guy's cancer's back. Wasn't
6 that at the forefront of your thinking?

7 A. I can't say it is, Mr. Volsky. I don't know how you
8 can ask me that.

9 Q. It certainly was in the forefront of your thinking
10 when you wrote that note, wouldn't you agree with that?

11 A. What I wrote there was exactly what it says. It was
12 a conversation from the night before and in looking up
13 some labs. I had to use the information I had at hand.
14 It's part of your tools to find out what's going on.

15 Q. It's okay to write in and remind yourself as long as
16 when you come in you're going to consider everything and
17 not just do a G.I. evaluation.

18 A. It doesn't say limited to G.I. evaluation.

19 Q. It sure looks like it says it to me.

20 A. I'm sorry. It does not.

21 THE COURT: That will go out. Do not make
22 comments. Just ask questions.

23 MR. VOLSKY: I'm sorry, Your Honor.

24 Q. (BY MR. VOLSKY) So it is your testimony you continue
25 to insist you were planning to do an assessment and

1 consider everything including heart?

2 A. Yes.

3 Q. Dr. Kundtz's testified that he told you he thought
4 Joe's problem was G.I. Is that your recollection?

5 A. That could be my recollection.

6 Q. Well, could be your recollection is not the issue.
7 Do you have a recollection?

8 A. Are you speaking of Dr. Kundtz's conversation with me
9 or his recollection of the visit with Mr. Frankhauser?

10 Q. That's a fair question. In his conversation with
11 you.

12 A. We mentioned a couple of different things. I don't
13 think there was any definitive answer made either way.

14 Q. You don't recall him telling you he thought Joe's
15 problem was G.I.?

16 A. Dr. Kundtz already testified that he didn't recall
17 the conversation very well.

18 THE COURT: The question was not about
19 what Dr. Kundtz testified. The question was about
20 your conversation with him that evening. Whether
21 he was right, wrong, confused or whatever. Please
22 try to answer that question.

23 Q. (BY MR. VOLSKY) Do you guys understand you guys
24 aren't a tag team. You have to answer the questions as to
25 what you remember.

1 A. That's what I'm trying to remember.

2 Q. It's different than what Dr. Kundtz said. I'm asking
3 you do you remember him telling you that he thought that
4 Joe's problem was G.I.?

5 A. I could remember it that way, yes.

6 Q. Dr. Kundtz has also testified that even though he
7 thought it was G.I. he knew that heart problem was still a
8 possibility and that he expected that since he was
9 referring Joe back to you that you would do your own
10 evaluation and come to your own conclusion as to what the
11 problem was. Was that your understanding of what you had
12 agreed to do?

13 A. Yes, I believe that's what I intend to do anytime I
14 get a phone call.

15 Q. Dr. Kundtz testified that he believed that you would
16 do your own assessment and make your own evaluation as to
17 whether or not a heart workup was needed. Was that your
18 understanding?

19 A. That would be my understanding.

20 Q. You understood, did you not, when Joe and Gerry
21 walked into your office that day Joe's life was
22 potentially in your hands?

23 A. I don't want to be dramatic about it. Anytime
24 somebody comes in you try your best to do what you can do.
25 So I'm not sure that it was at that kind of level that we

1 were thinking at that time. I could be wrong.

2 Q. That's because you weren't really thinking about the
3 fact that he could have a life-threatening condition,
4 acute coronary syndrome; isn't it true?

5 A. It's only partially true. It's one of many different
6 life-threatening decisions.

7 Q. Were you aware that Dr. Kundtz on his differential
8 had two things at the top of his list; G.I. and heart?

9 A. Yes.

10 Q. Were those two that were at the top of your
11 differential?

12 A. I believe so.

13 Q. You were the captain of the ship at that point, that
14 is to say you were in charge of assessing Joe and figuring
15 out what was wrong with him to the best of your ability.
16 Would you agree with that?

17 A. I don't like the cliché captain of the ship. I do
18 try to use all the available information to try to help
19 somebody, yes.

20 Q. You understand that Joe was now in your hands to
21 figure out what was wrong with him?

22 A. I understand Joe was in for an office visit that
23 morning and was in the emergency room the night before and
24 we were going to try to figure out what was wrong with
25 him.

1 Q. Would you agree that each physician who sees a
2 patient after a prior emergency department visit where no
3 diagnosis has been made is absolutely responsible for
4 determining what's going on with the patient himself to
5 the best of his or her ability?

6 A. You should always determine what you're trying to
7 figure out to the best of your ability.

8 Q. I want you to assume that your expert, Dr. Dell, is
9 going to come into this courtroom and testify that you
10 were allowed to rely on Dr. Kundtz's assessment because
11 Dr. Kundtz was your eyes and ears. Assuming that he comes
12 in and says that, do you agree or disagree with him?

13 A. Same as I have testified to already; you can't ignore
14 something that somebody else tells you. You still have to
15 make your own determination about what's going on. That's
16 the only fair way to do it.

17 Q. Do you agree that in general all internal medicine
18 specialists, such as yourself, should take care -- who
19 take care of a patient who has been discharged from an
20 emergency department and told to follow up with their own
21 doctor know that when they evaluate that patient they
22 should not take anything for granted and should do their
23 own history, do their own physical examination and make
24 their own assessment?

25 A. I think we've already discussed that several times.

1 Whenever somebody comes in you use all the available
2 information. Yes, you take your own history, yes, your
3 own physical examination, and then try to figure out what
4 to do, yes.

5 Q. Now, there's a note in Joe's chart with the date June
6 17th, the day he was seen by Dr. Kundtz in the emergency
7 department at University Hospitals, that says quote -- Let
8 me come over and point it out -- in parentheses, phone,
9 chest pain with pain radiating down both arms. Will go to
10 UH, University Hospitals, emergency room. Is that in
11 there?

12 A. Yes, it is.

13 Q. Who wrote that?

14 A. It would be the medical assistant.

15 Q. Somebody in your office?

16 A. Somebody in my office.

17 Q. It wasn't written by you?

18 A. It was not written by me.

19 Q. Do you know when Joe or somebody had phoned your
20 office to let you know about Joe's symptoms and the fact
21 they were going to the emergency room at University
22 Hospitals?

23 A. I'm going to figure either that day or the next day
24 when I pulled the chart to write my own note.

25 Q. You would agree you knew about this note at the time

1 you wrote about your discussion with Dr. Kundtz because it
2 was sitting right there right above where you wrote?

3 A. Yes. They're proximal to each other.

4 Q. And you would have obviously seen that when you went
5 to write your note about the conversation with Dr. Kundtz?

6 A. Yes.

7 Q. Okay. So you admit when Joe Frankhauser came into
8 your office that day you knew that he had had chest pain
9 which had radiated down both arms?

10 A. I knew that note was there, yes.

11 Q. Do you agree with your own expert, Dr. Moss -- and I
12 want you to assume that he testifies -- that if you knew
13 that Joe had chest pain which radiated to his arms you
14 needed to ask the questions necessary to see if Joe's
15 symptoms could have been caused by too little blood to the
16 heart?

17 MR. KILBANE: Objection whether that is
18 the testimony of that witness if that witness is
19 going to appear. Until they're here --

20 THE COURT: The question is do you agree
21 with that statement.

22 A. Yes.

23 Q. (BY MR. VOLSKY) Doctor, can chest pain which
24 radiates down the arms be caused by too little blood to
25 the heart?

1 A. That's one possibility, yes.

2 Q. Doctor, can we agree that since heart had not been
3 definitively ruled out by Dr. Kundtz and remained at the
4 top of his list of possible causes of Joe's symptoms, or
5 on the differential, you had an obligation to consider and
6 assess whether or not heart was the cause of Joe's
7 symptoms?

8 A. Two parts to your question I believe. One was that
9 it was on his differential or whether it was on the top,
10 and the second was should I make my own decision based on
11 that. The first question I believe was I'm aware that Dr.
12 Kundtz was thinking about those two things. And the
13 second question is still the same answer; yes, use the
14 available information, you have to try to find out what's
15 going on. If something is ruled in or ruled out, you
16 still need to make that determination.

17 Q. You agree you had an obligation to consider and
18 assess whether or not heart was the cause of Joe's
19 symptoms when he came to see you on the 18th?

20 A. Yes, among other things.

21 Q. Can we agree that if someone is working up a cardiac
22 or heart problem that the doctor would want to know as
23 much information as they possibly could about the symptoms
24 that the patient was having?

25 A. Yes.

1 Q. Doctor, do you agree that starting in medical school
2 doctors are trained on how to do an assessment of a
3 patient complaining of chest pain?

4 A. The first two years of medical school are mainly book
5 learning. It's not until the third and fourth year that
6 you get some real life patient experience, so it's at that
7 time you start to do your clinical mode.

8 Q. Are you aware of the seven or so factors that help a
9 physician determine whether or not the problem is a
10 potentially deadly heart condition?

11 A. I suppose so.

12 Q. What does quality of chest pain mean?

13 A. Quality would be the nature of the pain.

14 Q. Is the quality of the pain important to know about in
15 a chest pain patient?

16 A. Yes.

17 Q. Why?

18 A. Well, it can help make you determine if there's
19 anything that's going on that will lead you down one way
20 or another to help figure out the problem.

21 Q. Is the location of where it hurts important to know
22 in a chest pain patient?

23 A. I would say so. Again, you have to understand that
24 most of these are not very specific. That's why there are
25 so many different ones. You use a little bit of

1 everything to help figure out what is going on.

2 Q. Is whether or not the pain radiates into another part
3 of the body important to know about in a chest pain
4 patient?

5 A. Again, it's important with the caveat that there is
6 only a few times when it absolutely means something and
7 then there's many times that it doesn't mean as much and
8 there's sometimes that it doesn't mean anything at all.

9 Q. It means enough to be one of the seven factors that
10 you learn to ask about when deciding whether it's ischemic
11 cardiac disease, isn't it?

12 A. I suppose that's why you need seven different things
13 to come up with an answer.

14 Q. No question. There is no silver bullet. But there
15 are seven areas that you're supposed to try to elicit as
16 much information as you can in order to try to pinpoint
17 the cause as coronary ischemia; is that correct?

18 A. I'd like to think that every history can come to that
19 conclusion. So if you like to use those things as one way
20 of trying to figure out the information, that is the way
21 to do so.

22 Q. Well, I'm not using those things. That's what you're
23 taught in your second two years of medical school and you
24 use throughout your training; isn't that true?

25 A. Use throughout your life.

1 Q. Absolutely. And pain which radiates and where it
2 radiates is one of the seven factors?

3 A. Again, there is about radiation pain and you have to
4 know where it is and where it goes and how that can help
5 play a role in what you're trying to find out.

6 Q. Is the intensity of the pain important to know about
7 in a chest pain patient?

8 A. I suppose. I don't think I can rank order the seven
9 things and you're going to get me to say which is most
10 important. That's again why you use all seven or parts of
11 the seven to try to figure out what you can.

12 Q. Is the frequency or how often the pain occurs
13 important to know in a chest pain patient?

14 A. Again, some elements of frequency are going to be
15 important, some elements are going to be less important,
16 and it really depends on the answers to the questions.

17 Q. But you got to ask the questions?

18 A. You have to ask some of the questions. I don't mean
19 to say that anybody that comes in with chest pain we have
20 a little sheet that has seven things on it that you ask
21 all those things and write down that answer one at a time.
22 You have a conversation with people. You sit down, try to
23 talk about what's happening and in there you'll work in a
24 little bit of everything.

25 Q. But if you ask the questions and you continue to not

1 be sure, you better keep asking more questions to get more
2 information of the seven factors. Would you agree with
3 that?

4 A. Not necessarily, no. At some point when you've asked
5 so many questions and you're still having trouble -- and
6 I'm just talking about any condition now -- coming up with
7 an answer, you might try something else.

8 Q. What are associated symptoms in a chest pain patient?

9 A. Associated symptoms would be -- We've heard this
10 before those that don't necessarily have to do --
11 Specifically if we're talking about the heart, these
12 include sweating, and radiation, the breathing, any other
13 symptoms either on the skin, on the chest, on the chest
14 muscle, on the back.

15 Q. I didn't hear you mention shortness of breath.

16 A. I said in the chest.

17 Q. Okay.

18 A. I thought that was going to be another question for
19 me.

20 Q. You're anticipating. Shortness of breath is a
21 symptom, an associated symptom, consistent with coronary
22 ischemia?

23 A. Sometimes it is.

24 Q. What are precipitating factors in a chest pain
25 patient?

1 A. I'm afraid there are quite a few. Be anything from
2 emotional distress, to physical exertion, to cold air, to
3 we've spoken about meals, we've talked about physical
4 activity, and we've talked about having no exertion
5 leading up to this.

6 Q. And you agree that having chest pain after a big meal
7 can be associated with coronary ischemia?

8 A. It could be consistent with it, but it's certainly
9 not unique to it.

10 Q. Would it be important to know when the serious pain
11 started in a chest pain patient?

12 A. Again, you try to figure out everything that you can
13 based on the information that you're getting.

14 Q. Doctor, do you have a recollection of this office
15 visit with Joe Frankhauser?

16 A. Yes, I do.

17 Q. Do you remember whether or not Gerry was present
18 during the whole visit?

19 A. At this point I do.

20 Q. At this point you do. What is your recollection?

21 A. She was there for the visit.

22 Q. She was there for the whole visit?

23 A. Yes.

24 Q. What history do you recall being given?

25 A. What history do I recall being given?

1 Q. Yes.

2 A. Not only what is written down in the note that is
3 there, but the history about what brought them to my
4 office that morning.

5 Q. Well, tell me. What do you recall about the history
6 you were given.

7 A. The history that I was given started off with the
8 visit, came in the room, talked about again what had
9 happened the evening before, asked about the problem at
10 hand and tried to find out a little bit about what was
11 going on and what had led up to that and then started to
12 get some responses and wrote down what I felt was
13 appropriate, and that's the part obviously that I'll
14 remember a little bit better.

15 Q. Well, I'm going to ask you, you just gave me a very
16 nice overview, but I'm asking you in detail to tell us
17 everything that you remember that happened in that visit
18 as far as what history you got.

19 A. Okay. With the caveat that I wish I could remember
20 every single thing that went on, but I can't.

21 Q. Let me stop you for one second. I don't mean to
22 interrupt but your answer has brought to mind another
23 question. You said you remember this visit. Do you
24 remember every aspect of the visit?

25 A. No way to remember every aspect of the visit.

1 Q. I'm trying to get the jury to understand what you're
2 testifying based on, and I'm not implying that you should
3 remember everything about the visit because you see
4 hundreds and hundreds of patients and I understand you
5 can't remember every detail about the conversation. So as
6 you tell me you do remember it but you go and grab your
7 office chart, I need you to explain to us what you're
8 testifying to based on what's written down as compared to
9 what you really in your mind's eye remember about this
10 office visit.

11 A. I'd be glad to tell you that.

12 Q. Please.

13 A. Because this is the office that I currently practice
14 at, every day I go into the same room that I was in for
15 this particular visit, so you're obviously going to
16 remember some things that aren't only here. When you
17 write something down, that will also determine what you
18 were talking about. No matter what is written down, that
19 led up to something being asked, something being said.
20 Would you like me to go through the note?

21 Q. I would like you to tell us everything that you
22 specifically remember, not speculate and guess might have
23 happened or based on your custom and practice. I want to
24 find out what you remember happened in this visit, the one
25 that Gerry was at this whole visit. Is that right?

1 A. Yes.

2 Q. Okay.

3 A. Here's the things I remember because I wrote them
4 down. This was a sick visit, so it was noted as a sick
5 visit. Means it wasn't a prescheduled visit. So that I
6 knew. I had known because of the phone call from the day
7 before.

8 I wrote down three days feeling food stuck
9 in throat. So if I wrote that down that means I had been
10 asking questions and remember asking questions about
11 things that have to do with the upper chest. They would
12 be pains or pressures or swallowing because what I ended
13 up writing down was a summation of those things.

14 I asked various different frequency and
15 associated symptoms, and the ones that I wrote down I felt
16 at the time were most pertinent going on. So that I
17 remember also.

18 I remember doing an examination because I
19 wrote it down. Doesn't mean that's the only examination I
20 did, but those were the key points that were there.

21 I remember forming an impression because
22 that's what we did at the end of every visit. I
23 specifically remember looking up some of the labs from the
24 night before since I really did not have a copy. All I
25 had was what Dr. Kundtz would have told me. Talked about

1 some medications.

2 I excused myself to make a phone call to a
3 physician. I remember that. I remember having to come
4 back, explain everything again and then for follow up. So
5 those things I can recall.

6 Q. Do you remember any specific questions that you asked
7 Joe about his symptoms?

8 A. I'd like to remember exactly what specific questions,
9 but I'm afraid that's outside of anybody's real knowledge.

10 Q. Very good. Okay. But Gerry Frankhauser was a
11 witness to what happened in this visit, wasn't she?

12 A. Yes.

13 Q. Wasn't she?

14 A. Yes.

15 Q. Yes.

16 MR. VOLSKY: Your Honor, can we approach?

17 THE COURT: All right.

18 - - -

19 (Thereupon, a discussion was had
20 between Court and Counsel outside
21 the hearing of the jury and off the
22 record.)

23 - - -

24 THE COURT: It's approximately 20 minutes
25 after, and there are some scheduling issues that

1 do not concern you, so we're going to recess
2 early. You get ten minutes off today.

3 While you're out of the room, again, keep
4 in mind the instructions. As we go along that
5 gets harder because obviously you're hearing more
6 information and with that more information you
7 feel you're ready to discuss things. But you're
8 really not ready to discuss things until the case
9 is concluded and it's submitted to the jury.
10 Among other things, you've not heard all the
11 evidence, you're not heard my instructions of law
12 which are critical to your evaluation, and you've
13 not had the chance to discuss it with each other,
14 which is extremely important.

15 I remind you again that when you're in the
16 hallway you should recognize that the participants
17 in the trial will not discuss anything with you,
18 and again you should not try to encourage that.
19 I'm sure you don't. I also remind you that you're
20 not permitted to go out and look for other
21 information. It's very tempting to say, gee, this
22 fellow said this, this fellow said that, I'm going
23 to look it up. Don't do it. Don't look it up.
24 Don't read a book. Don't call anybody on the
25 phone. Don't read anything on the internet. This

1 is the only place you'll get any information to
2 decide this case.

3 See you tomorrow morning at 9:00.

4 Rise for the jury, please.

5 - - -

6 (Thereupon, proceedings were
7 adjourned to 9:00 a.m., on
8 Wednesday, April 12th, 2006, at
9 which time the following
10 proceedings were had:)

11 - - -

1 WEDNESDAY MORNING SESSION

2 APRIL 12, 2006

3 MARKUS, J.: Mr. Volsky, do I understand
4 you're continuing with your questions of Dr.
5 Cirino?

6 MR. VOLSKY: Yes, Your Honor, that's
7 correct.

8 THE COURT: Dr. Cirino, would you kindly
9 return to the stand.

10 - - -

11 CONTINUED CROSS-EXAMINATION OF ROBERT CIRINO

12 BY MR. VOLSKY:

13 Q. Good morning, sir.

14 A. Good morning, Mr. Volsky.

15 Q. Doctor, at the end of yesterday, if you recall, I
16 asked you a couple of times to tell us everything you
17 specifically remember about this office visit with Joe and
18 Gerry. Did you think of anything now that you've thought
19 about it overnight and I assume talked to your lawyers
20 since we last spoke.

21 MR. KILBANE: Objection.

22 Q. (BY MR. VOLSKY) Anything, anything more you remember
23 with this office visit?

24 A. Just the things I had mentioned yesterday.

25 Q. Okay. Now, yesterday you said something about asking

1 questions about the upper chest. Do you remember saying
2 that yesterday?

3 A. Not exactly.

4 Q. You don't remember?

5 A. I remember what we were talking about. I don't
6 remember upper chest being used.

7 Q. I asked Karen to take notes of all the things you are
8 thinking. One of the things she had written down is about
9 upper chest.

10 MR. KILBANE: Objection.

11 Q. (BY MR. VOLSKY) Do you remember talking to the
12 Frankhausers about the upper chest?

13 A. Yes.

14 Q. Okay.

15 A. How's that?

16 Q. That's fine. That's fine. What did that mean?

17 A. Symptoms related to the upper chest, yes.

18 Q. Like what? What questions did you ask about the
19 upper chest?

20 A. Like what's going on. You know, tell me a little bit
21 about what your problem is. And I might not have used the
22 word problem. I'm not sure of the exact wording, but
23 obviously when somebody's coming in with something you're
24 trying to figure out, you try to ask a bunch of questions
25 that just helps them lend them get an answer. You hear

1 what they say, you use their body language or verbal clues
2 to try to figure things out.

3 Q. And I think your note, you know, talks about asking
4 about a couple things about the upper chest. Does that
5 include the throat when you're talking upper chest?

6 A. Oh, yes. I would believe so.

7 Q. All right. That's what I want to clarify. Just for
8 the jury's help, I hope you can read it, Mr. Kilbane has
9 been kind enough for us to use your interpretation of your
10 note so the jury can see and perhaps easier read. I'm
11 going to --

12 THE COURT: I'd rather you not have a
13 conversation with jurors even if it's about
14 mechanics. I'll ask the jurors to tell me if they
15 have a problem and we'll do what we can. It's
16 better that you not have discussions with the
17 jurors. That could be misunderstood. So it's
18 better that you tell me if there's a problem and
19 try to help.

20 MR. VOLSKY: I apologize. I should have
21 done the same. I'm sorry.

22 THE COURT: Let's move on.

23 MR. VOLSKY: Okay.

24 Q. (BY MR. VOLSKY) When you talked to Dr. Kundtz he was
25 telling you about epigastric discomfort; is that right?

1 A. Yes.

2 Q. And that's different? That's the lower chest or down
3 below, correct?

4 A. Okay. And that's really an okay rather than a yes or
5 a no, because epigastric means above the gastric, and
6 gastric is the stomach, and so it means anything in the
7 stomach or above. So when your stomach's in the normal
8 place, epigastric would be including the chest and
9 epigastric itself. In this particular situation it is
10 also the chest.

11 Q. Fair enough. Was your understanding in talking to
12 Dr. Kundtz when he described epigastric pain that he was
13 talking the upper chest, the lower chest, or the whole
14 chest?

15 A. I would have to say the whole chest.

16 Q. Okay. Now, you said yesterday that at some point you
17 remember getting a phone call and you excused yourself and
18 you came back and you said you explained it again. What
19 did you mean by that?

20 A. I'm sorry, explained what again?

21 Q. I don't know. That's what I'm asking you. You said
22 that you came back from the phone call, and spoke to the
23 Frankhausers --

24 A. Picked up the conversation.

25 Q. Excuse me, let me finish, then you can have whatever

1 opportunity you want to answer.

2 You came back into the room after leaving
3 the room and you used the words I came back to the
4 Frankhausers and explained it again, and I don't
5 understand what you explained again.

6 A. I'm not sure where we were at that point in time of
7 the visit when I had mentioned that. Whenever I excuse
8 myself I try to ask the last thing again when I come back
9 in the room so we can pick up where I left off.

10 Q. Fair enough. Did you ask a lot of questions to try
11 to figure out the cause of his symptoms?

12 A. I believe so, yes.

13 Q. Do you remember your lawyer saying in opening
14 statement that the patient has the responsibility to tell
15 the doctor what problems he or she is having? Do you
16 remember that part?

17 A. Yes.

18 Q. When it comes to chest pain, doesn't the doctor have
19 the responsibility to ask the important questions that
20 they have learned since medical school to find out about
21 the chest pain?

22 A. I feel they're shared responsibility. When you're
23 having a conversation it's not a lecture, so you're not
24 only saying one thing. The patient isn't only saying one
25 thing. You meet in the middle.

1 Q. You wouldn't expect a patient to know what the
2 significance of the features of the chest pain is which
3 make it more like ischemic in origin or esophageal or any
4 other problem, do you?

5 A. My only expectation is only to try to get down to
6 what they really feel.

7 Q. If you want to get information about the quality of
8 the pain, or, you know, the frequency of the pain, or, you
9 know, any of those six or seven factors that we have
10 talked about, I'm sorry to say over and over again, those
11 are things that the doctor knows are significant that the
12 patient doesn't know. Wouldn't you agree with that?

13 A. Not entirely. We really like to hear from the person
14 themselves.

15 Q. If you feel you don't have enough information, isn't
16 it your job to ask more questions to get the information
17 from the patient so that you're comfortable you can make a
18 decision as to what's wrong?

19 A. Yes. That is what a history taking is all about.
20 Trying to help facilitate getting an answer.

21 Q. Since you're trying to get to the bottom of Joe's
22 problem to the best of your ability, what do you recall
23 specifically as you sit here today were the questions you
24 put to Joe and what were the answers?

25 A. I said, you know, what does this feel like to you

1 and, you know, how is it affecting you, and can you tell
2 me a little bit about what's been going on. And the
3 answers were, you know, feels like food stuck in my
4 throat. So that to me was a fairly significant response.
5 It's fairly significant and specific. So again, the idea
6 is well, what is it all about? It's not something very
7 specific. It tells me a little bit about it. I don't
8 understand, help me out here.

9 Then there's questions about, you know, is
10 it associated with nausea, does it make you feel like you
11 want to throw up. And then the next thing is when people
12 have food stuck in their throat or that sensation it's
13 really important because the sensation, that stays there
14 for much longer than it might be there. It might increase
15 the gastric juices, so there are questions with what does
16 it feel like. I mean, do you feel this? Do you feel, you
17 know, heartburn symptoms?

18 You know, what can happen if people do
19 have food stuck in their throat is they can aspirate it.
20 Meaning if it's sitting there you can easily throw it back
21 into your chest, too, and in your lungs and that can cause
22 other problems such as breathing, fever, infections. It's
23 a very common cause of aspiration where, you know, the old
24 went down the wrong hole saying. We all know what an
25 uncomfortable sensation that is. So those questions were

1 asked.

2 Q. Those were the questions that were asked. Any others
3 that you remember?

4 A. Well, you know, what other things, you know, are
5 associated with this, and then obviously asked about, you
6 know, how it relates to breathing, and that is is it worse
7 with breathing, is it better with breathing, does it make
8 you breathe harder, is there anything that can provoke it
9 into that. And those questions were asked also. And
10 following from that is, you know, again, how does it
11 affect you? What does it feel like?

12 Q. Is it fair to characterize what you've just told us
13 as a review of the feeling of this epigastric discomfort
14 and food stuck in the throat?

15 A. It's a review of the chest, yes.

16 Q. It's a G.I. question? All the questions you asked
17 are related to gastrointestinal, weren't they?

18 A. No, Mr. Volsky, not exactly. When you ask about
19 things like fever, when you ask things about worse with
20 deep breathing, obviously you're not limited to just the
21 gastrointestinal things.

22 Q. Fair enough. Most of the things you just mentioned;
23 the food stuck in the throat, the extra salivation, the
24 possible extra gastric excretions, all those things are
25 geared toward the G.I. evaluation; is that fair?

1 A. All those things are geared towards the patient's
2 response.

3 Q. I'm asking a more specific question. Certainly it's
4 a patient response. You ask a question, and Joe responded
5 to it?

6 A. Yes.

7 Q. But each of your questions was trying to elicit
8 information about a G.I. related issue?

9 A. Most of the questions were based on the previous
10 answer by the patient himself.

11 Q. Do you remember any of Joe's responses to your
12 questions other than it feels like food stuck in the
13 throat?

14 A. Well, if I put down these things --

15 Q. I don't mean to interrupt, but I want to regear my
16 question. I don't mean to be rude. I don't want you to
17 testify based on your assumption based on your chart.
18 Right now I'm asking your recollection, what you recall,
19 any answers that Joe gave you.

20 A. My recollection is very small if only because in my
21 office anyway Mr. Frankhauser was a man of very few words.

22 Q. Would you say that's true of Gerry Frankhauser, was
23 she a woman of very few words?

24 A. Well, I mean, there's nothing incredible to say about
25 that.

1 Q. But it's your testimony that it was Joe Frankhauser
2 who responded to your open-ended question, How are you
3 feeling, Joe? What's the problem? It's your testimony
4 that he said, It feels like food stuck in my throat,
5 rather than you testifying -- I'm sorry, you saying to
6 him, Joe, does it feel like food stuck in your throat?
7 Are you sure that it was Joe that said that?

8 A. Here's what I'm certain of; between the two of us, we
9 agreed that it felt like food stuck in his throat because
10 that was his response, yes.

11 Q. Okay. Please try and answer my specific question.
12 Is it your testimony that you are sure sitting there under
13 oath that Joe Frankhauser answered your open-ended
14 question something like, What's bothering you, Joe, what's
15 the problem, Joe Frankhauser characterized it as food
16 stuck in his throat rather than you characterizing it that
17 way and asking him, Does it feel like food stuck in your
18 throat?

19 A. The same answer, Mr. Volsky. When you're having the
20 conversation, that was what came up and that is what was
21 answered. I can't say it any other way.

22 Q. Okay. Then I'll ask you a specific question. Are
23 you sure whether or not you said does it feel like food
24 stuck in your throat, or Joe offered that answer as an
25 explanation for his symptoms?

1 A. I'm not certain exactly how it came out. I know that
2 was the end result.

3 Q. Fair enough. If Mrs. Frankhauser -- I want you to
4 assume that Mrs. Frankhauser is going to testify that you
5 asked Joe, Joe, what's the problem? And he said, I've got
6 pain in my chest. And you said, Does it feel like food
7 stuck in your throat? And he shrugged his shoulders and
8 said, Yes. Would you have any reason to dispute her
9 testimony on that issue?

10 A. You're asking me to assume that I asked a question
11 about chest pain?

12 Q. No. I'm asking you to assume there is going to be
13 testimony from Mrs. Frankhauser and her testimony is going
14 to be what happened was that she came in, you came in
15 afterwards, you had a little discussion about something
16 else, and then you said an open-ended question, Joe,
17 what's the problem? He said, I've got pain, and he
18 grabbed his chest and said, I've got pain in my chest.
19 And then you asked him, Does it feel like food stuck in
20 your throat? And Joe then shrugged his shoulders and
21 said, Yes. Would you dispute that version of the facts if
22 that's what Mrs. Frankhauser testifies to?

23 A. Whatever Mrs. Frankhauser testifies to is fine. What
24 I would say what happened is exactly what I've said, and I
25 really mean to say that as what happened. That is, if

1 somebody says, I feel like it's food stuck in my throat,
2 whether you put your hand here or here (indicating), I
3 don't think it makes any difference. If the response was,
4 Chest pain, I would have asked or recorded different
5 questions than what's actually there. So in partnering
6 with the patient, I asked the questions that seemed
7 appropriate at that time for him, and that is what
8 happened.

9 Q. Dr. Cirino, with all due respect, I appreciate and
10 thank you for your answer, but I think you're dancing
11 around my question which is a very direct one. Would you
12 dispute that it was you that suggested the symptom it
13 feels like -- does it feel like food stuck in your throat?

14 MR. KILBANE: Objection.

15 Q. (BY MR. VOLSKY) Do you dispute that?

16 THE COURT: Overruled. You may answer.

17 A. I won't dispute any testimony. All I can say is that
18 it came up that food was stuck in the throat. I agreed
19 with that, and that's what happened.

20 Q. (BY MR. VOLSKY) When you say you agreed with that,
21 you seem to be implying that Joe said it feels like food
22 stuck in his throat. And I'm asking you would you deny
23 Mrs. Frankhauser's testimony that those words never came
24 out of Joe's mouth, they were your characterization?

25 MR. KILBANE: Objection. Asked and

1 answered.

2 THE COURT: Overruled.

3 A. My characterization is that if Mr. Frankhauser was
4 asked directly was food stuck in your throat, the answer
5 is yes.

6 Q. (BY MR. VOLSKY) I honestly don't think it's that
7 hard.

8 THE COURT: Go to the next question rather
9 than arguing with the witness.

10 MR. VOLSKY: Okay.

11 Q. (BY MR. VOLSKY) Do you know whether or not you said
12 it was food stuck -- Do you know whether or not what
13 actually happened was you suggested to Joe, Joe, does it
14 feel like food stuck in your throat, or was it to an
15 open-ended question, Joe, what's your symptoms, what's
16 bothering you, and Joe is the one that characterized it
17 was food stuck in his throat? Do you know which one it
18 was?

19 A. I think it would be the latter.

20 Q. But you don't know?

21 A. This is the best that I can remember from here.

22 Q. You don't remember. Okay.

23 Let's talk a little bit about this record.

24 MR. VOLSKY: May I approach over here,

25 Your Honor?

1 THE COURT: Yes.

2 MR. VOLSKY: Thank you.

3 Q. (BY MR. VOLSKY) Doctor, who wrote this note?

4 A. I did.

5 Q. You did. When did you write that note?

6 A. During the course of the visit.

7 Q. And you wrote that whole note during the course of
8 the visit?

9 A. Sometime there and right afterwards.

10 Q. Okay. There's a line up here; is that right?

11 A. Yes.

12 Q. And there's an initial there. That isn't yours?

13 A. That's correct.

14 Q. Whose is that?

15 A. The medical assistant.

16 Q. And the medical assistant is the one who put in the
17 date; am I right?

18 A. Yes.

19 Q. And the weight and the blood pressure and the pulse.
20 That's part of their normal job before you come in the
21 room?

22 A. Yes.

23 Q. Then there's this chief complaint with a question
24 mark chest pain?

25 A. Yes.

1 Q. Is that right?

2 A. Yes.

3 Q. Okay. What does chief complaint mean?

4 A. The main reason for the visit.

5 Q. It's the main reason the person's coming to see you?

6 A. Yes.

7 Q. Doctor, I assume when you made this note you would
8 write down the significant items that you felt were
9 important to you making the ultimate assessment as to what
10 you felt Joe's problems were?

11 A. Yes.

12 Q. Doctor, please read for us what your note says as far
13 as the history you got from Joe himself that day.

14 A. Three days of feeling food stuck in throat without
15 nausea, vomiting, increase in salivation, fever. Worse
16 with deep breath. Asymptomatic. Vital signs noted.

17 Do you want me to keep going?

18 Q. If there's more history that you got from Joe, please
19 tell us.

20 A. That's the historical information.

21 Q. Joe had been telling you he'd been having this
22 problem for three days, correct?

23 A. Yes.

24 Q. And he told you it felt like food stuck in his
25 throat; is that right?

1 A. Yes.

2 Q. Doctor, was the symptom of feeling like food stuck in
3 his throat a vague symptom that was not
4 well-characterized?

5 A. I would say that's a little bit more specific than
6 everything else.

7 Q. Okay. Didn't you tell us that in that conversation
8 with Dr. Kundtz that was the case, that Joe had given
9 symptoms to Dr. Kundtz that were not very
10 well-characterized?

11 A. Yes.

12 Q. So this was a brand-new symptom, one that Dr. Kundtz
13 had not told you about; is that true?

14 A. I believe so, yes.

15 Q. Do you agree -- Did you ask Joe about the vague
16 symptoms that he had told Dr. Kundtz about the next day
17 since this was somewhat of a more specific complaint?

18 A. Well, I believe so, yes.

19 Q. Is there anything in the record about things that Joe
20 told you about the vague complaints that he had made to
21 Dr. Kundtz the day before?

22 A. Well, in the second line about the nausea and
23 vomiting, obviously those are symptoms that can be related
24 to different things, so yes.

25 Q. Okay. But, you know, Dr. Kundtz told you that he

1 had, you know, this epigastric pain and that it had been
2 radiating to his arms and all these vague symptoms that he
3 couldn't really make sure he had a handle of. Are you
4 telling me that that whole discussion and the whole
5 evaluation by Dr. Kundtz and him telling you about all
6 these vague symptoms are limited as far as your discussion
7 with Joe that you put in your record that it's nausea and
8 vomiting?

9 A. No, I don't think it's limited to that.

10 Q. Well, what else is there in that that goes over and
11 discusses, you know, the vague symptoms and the things
12 that Dr. Kundtz talked about with Joe throughout that
13 whole emergency visit of two or three hours that he
14 concluded were vague and unable to get a handle of? Where
15 is that discussion and your attempt to elicit information
16 to make those types of symptoms less vague than they were
17 to Dr. Kundtz?

18 A. Fair. When you ask this many different questions, if
19 you look at what's there, I mean, when you go from nausea
20 to breathing to fever, you're thinking about other
21 systemic things, too.

22 Q. Did Joe complain the day before about nausea and
23 fever to Dr. Kundtz? Did Dr. Kundtz tell you that?

24 A. I'm not sure if he did or not.

25 Q. This is the page before of your office chart for Joe

1 Frankhauser?

2 A. Yes, it is.

3 Q. When you saw him and you had this discussion and
4 whoever said it, you know, this food stuck in the throat
5 thing, did you say to him, Wait a minute, Joe, my nurse
6 wrote down in your chart when you called yesterday to tell
7 us that you were going to the University Hospitals
8 emergency room the day before that it felt like chest pain
9 that was radiating down into your arms? Did you ask Joe
10 about that inconsistency between food stuck in your throat
11 and what was right in your record already, this complaint
12 that scared the heck out of him to such an extent that he
13 went to the emergency room with chest pain with pain
14 radiating down his arms?

15 A. Well, first of all, that was a message, so I had to
16 take that into consideration; and second, it's not
17 inconceivable at all that that could be the same symptoms
18 that we were talking about that day.

19 Q. Well, you'd sure want to ask the questions so you
20 don't assume it. You want to make sure that it is and it
21 isn't just conceivable? Isn't that the word you just
22 used; conceivable?

23 A. Yes.

24 Q. You want to find out whether or not it's conceivable,
25 but this guy's got a potentially life-threatening

1 condition and it's your job as captain of the ship to find
2 out what the problem is. Don't you think you needed to
3 ask about that inconsistency and say, Wait a minute, Joe,
4 I got to ask you more than do you have more saliva and do
5 you have trouble swallowing. You got this chest pain
6 radiating to your arms, you've only had one EKG, you've
7 only had one enzyme test, we haven't ruled out even a
8 heart attack yet --

9 THE COURT: Are you finished with the
10 explanation?

11 MR. VOLSKY: Almost.

12 THE COURT: Please come to the question.

13 Q. (BY MR. VOLSKY) -- and we haven't ruled out unstable
14 angina. Let's talk about that. Did you go into that with
15 Joe?

16 THE COURT: The jury will disregard the
17 lengthy comments by the lawyer preceding the
18 question. Now we have the question.

19 Q. (BY MR. VOLSKY) Thank you. Would you like me to
20 repeat it, Doctor?

21 A. Okay.

22 Q. Okay. Did you have this discussion of Joe saying
23 when he came up with this new symptom that you had heard
24 for the first time, you know, about food being stuck in
25 his throat, did you ask him about the potential

1 inconsistency caused by the vague symptoms that Dr. Kundtz
2 told you about and your own record that said you had chest
3 pain radiating down the arm?

4 A. You are assuming they are inconsistent when in fact
5 they could be entirely consistent. If anybody has had
6 food stuck in their throat or anything like food stuck in
7 their throat or anything go down the wrong hole, you know
8 that's a very obnoxious symptom.

9 Q. They could be consistent. But chest pain with pain
10 radiating down the arms could be consistent with coronary
11 ischemia, can't it?

12 A. That's one of the symptoms.

13 Q. When you get an answer to a question that puts you in
14 one direction, you don't just stop and assume that's it.
15 Your job as captain of the ship is to look at all the
16 possible things including potentially life-threatening
17 problems like coronary ischemia, isn't it?

18 A. That's one of the things.

19 Q. You knew when Joe came into your office that Dr.
20 Kundtz had put at the top of his list two things;
21 gastrointestinal and heart, because he had done heart
22 checks and was concerned about the heart, right?

23 A. Yes.

24 Q. Okay. But you wrote after talking to Dr. Kundtz that
25 you're going to follow up for a G.I. evaluation, and isn't

1 that what you did, a G.I. evaluation?

2 A. That's one of the things that I did.

3 Q. Is there anything in your handwritten note getting
4 any more details about Joe's symptoms in his arms?

5 A. Symptoms, no.

6 Q. Now, we talked yesterday about the seven or so
7 factors that doctors learn since medical school to ask a
8 chest pain patient. Joe was a chest pain patient, wasn't
9 he?

10 A. Okay. Yes.

11 Q. Okay. Remember we discussed the seven or so things;
12 the quality of the pain, the location of the pain, the
13 radiation of the pain, the intensity of the pain, the
14 frequency of the pain, the associated symptoms, and the
15 precipitating factors. Those are all things you learn to
16 find out about in an attempt to decide whether a chest
17 pain is a coronary ischemic chest pain, true?

18 A. Yes, as well as any other chest pain.

19 Q. Did you ask him about the quality of his chest pain?

20 A. If the quality was feeling like food stuck in the
21 throat, then that is a quality.

22 Q. Did you ask him about the location of the chest pain?

23 A. Yes.

24 Q. You knew that the pain radiated to both his arms
25 because your nurse wrote that in the chart, right?

1 A. I knew that note was there, yes.

2 Q. But did you ask him what the radiation to his arms
3 felt like?

4 A. I can't recall.

5 Q. Did you ask him whether it felt like heaviness or
6 numbness or just pain?

7 A. I can't recall.

8 Q. Is it in your records?

9 A. No.

10 Q. Did you ask him about the intensity of the pain?
11 Joe, how bad was this pain?

12 A. Yes.

13 Q. Is it in the records?

14 A. Well, again, if the feeling was, you know, without
15 nausea and vomiting but it felt like food stuck in the
16 throat, that's a very uncomfortable symptom, so that is a
17 measure of intensity, yes.

18 Q. It's an uncomfortable symptom. That's the assessment
19 and the detail in which you got the intensity, it's
20 uncomfortable?

21 A. Yes.

22 Q. Did you ask him how often the pain occurred? Was it
23 constant? Did it come and go? Did you ask him those
24 kinds of questions?

25 A. I may have asked him those questions.

1 Q. Is it in the records?

2 A. What's in the record was that it was three days'
3 worth of this feeling.

4 Q. Did you ask him if there were any associated symptoms
5 with the chest pain?

6 A. Yes. I asked him all the things about breathing,
7 sweating, nausea, all the things that we've already
8 mentioned, yes.

9 Q. Did you ask him whether there were any precipitating
10 factors like did it come on after eating or did it come on
11 after physical activity?

12 A. Well, again, if I asked with deep breathing, that
13 would include the questions about physical activity. If
14 you're talking about food stuck in the throat, we're
15 obviously mentioning about eating.

16 Q. It's your testimony that when you asked him about
17 deep breathing, that's as good to you an amount of
18 information as, Joe, were you playing golf when the pain
19 came on, or, Were you physically active when the pain came
20 on? Don't you think that would be a more specific
21 question than just asking him about heavy breaths, does it
22 hurt when you take a heavy breath?

23 A. Well, no. I think what you're really getting at is
24 what was written down was not a specific answer, but my
25 general thinking about what was going. Whatever cause,

1 deep breathing, whether it's playing golf or eating or
2 whatever, when deep breathing was occurring was it making
3 a difference.

4 Q. Or you could have just asked him does it hurt when
5 you take a deep breath?

6 A. I'm sure that that could be one of the questions,
7 too.

8 Q. What does it say in there about that? What is it
9 about the deep breathing that it specifically says in the
10 chart? Can you point me to it, please?

11 A. About the deep breathing?

12 Q. Yes.

13 A. Worse with deep breathing.

14 Q. Where does it say that; worse with deep breathing?
15 That doesn't say worse with deep breathing. What does
16 that say?

17 A. I'm sorry. First of all, all of this was prefaced
18 with a without sign. So the only difference is
19 salivation. So without nausea. Without vomiting. There
20 was increased salivation.

21 Q. Without salivation, without increased salivation?

22 A. No. That was the only one that had a different arrow
23 there.

24 Q. Your record doesn't say increased. This is
25 characterizing the arrow?

1 A. That's the interpretation there.

2 Q. That could be read without nausea, without vomiting,
3 without increased salivation, without fever.

4 A. Without worse with deep breath. That was all the
5 same sentence here.

6 Q. But you said and tried to tell the jury that your
7 questions about deep breathing was an indirect way of
8 asking about his activity and because he would have been
9 breathing deeply with activity that was the focus of your
10 question. But it's very interesting, your chart doesn't
11 say without worse with deep breathing. Your chart says
12 without worse with deep breath. One breath. So what this
13 is saying is it didn't hurt more when he took a deep
14 breath. Isn't that what you wrote?

15 A. That's what I wrote. Breath and breathing. That
16 doesn't necessarily mean two different things.

17 Q. Oh, Doctor, there's a big difference between it not
18 hurting with one deep breath than it doesn't hurt with
19 deep breathing when you're running around playing golf or
20 basketball. Aren't those two different things?

21 A. In both of those you're breathing deeply.

22 Q. That wasn't the intent of your record, was it? Are
23 you really trying to tell this jury your writing down here
24 without worse with deep breath had to do with your attempt
25 to find out whether he had chest pain with physical

1 activity?

2 A. That was the culmination of what I wrote down, yes.

3 Q. Doctor, where in the records does it indicate that
4 you got a response to a question that you asked Joe, What
5 were you doing when this problem first came on?

6 A. There's nothing in the record there.

7 Q. Where in the record does it say, Joe, did you have
8 another major experience, something worse than the other
9 times, and what were you doing if it came back a second
10 time? Did you elicit that kind of information from Joe?

11 A. No.

12 THE COURT: Excuse me, I didn't hear it.
13 Was there an objection?

14 MR. KILBANE: No.

15 THE COURT: I heard something. I was
16 trying to respond if there was something. Go
17 ahead.

18 Q. (BY MR. VOLSKY) Wouldn't you like to have known when
19 the pain first started?

20 A. Yes.

21 Q. Wouldn't you liked to have known how the pain changed
22 between when the pain started three days before, according
23 to your record, and when you saw him?

24 A. Yes.

25 Q. Is that in there? Is there any attempt to find out

1 how the condition changed over the three days or whether
2 it got worse at certain times and better at certain times?

3 Is there anything like that in the record that shows that?

4 A. It's not in the record.

5 Q. Wouldn't you have liked to have known that?

6 A. Yes.

7 Q. Wouldn't you have liked to have known if the pain
8 came back, it went away, and it came back, and if so, what
9 were you doing when it came back?

10 A. Yes.

11 Q. Okay. And you didn't find that out, either, did you?

12 A. Again, there was -- when you ask, if this is what
13 they're telling us, you have to go by what they're saying.

14 Q. But, you know, you have patients. Some patients are
15 good at explaining and others just aren't good at
16 explaining. They're incapable of expressing as well as
17 other people what their symptoms are and what the problem
18 is. And if they're not telling you the information you
19 need to know, it's not their responsibility to know it's
20 important. They're doing the best they can. They're
21 there to get help. Isn't your job as captain of the ship
22 with your medical training and your experience to elicit
23 the information that's going to be helpful for you to
24 diagnosis the problem?

25 A. Yes, with this caveat; first of all, I have no reason

1 to disbelieve Mr. Frankhauser when he comes in and talks
2 about his symptoms. If Mr. Frankhauser felt that those
3 were the symptoms that were important and those were the
4 answers he's giving, history is a partnership. You talk
5 with people, you try to elicit what's going on. If that's
6 what somebody's telling you, I'm not going to disbelieve
7 them. I'm going to, you know, help facilitate what's
8 going on.

9 Q. What did you ask him to try to make an assessment as
10 to whether or not his chest pain, which was radiating to
11 his arms, could have been caused by coronary ischemia?

12 A. Again, when we start to ask what's going on, people
13 have plenty of opportunity to say what is happening with
14 them to help me to help ask something a little bit more
15 specific.

16 Q. And rather than it come out of Joe's mouth at the
17 time he was in your office, he already did tell you, he
18 already did tell you what his symptoms were. The day
19 before he had chest pain radiating down his arms and he
20 was going to the emergency room. That's part of the
21 responsibility. Didn't they live up to their
22 responsibility, not only their responsibility, the
23 courtesy to call you and let you know that they weren't
24 going to make their appointment they had scheduled with
25 you on Wednesday, that they were going Monday to the

1 hospital and to let you know, right?

2 A. Yes.

3 Q. And it didn't have to come out of Joe's mouth at the
4 time you saw him on the 18th because it came out of their
5 mouths on the 17th and was right in the chart. He had
6 chest pain with pain radiating down his arms. Is that
7 right?

8 A. Yes. That was the message that was left.

9 THE COURT: I didn't hear the rest of the
10 answer.

11 A. Yes. That was the message that was left.

12 Q. (BY MR. VOLSKY) Okay.

13 THE COURT: All right.

14 Q. (BY MR. VOLSKY) Now, you said I think before that,
15 you know, Joe came in and he said it was food stuck in his
16 throat. What if Joe had come into that office visit and
17 said, Doc, I have chest pain with pain radiating down my
18 arms? If that's what he had told you, wouldn't you have
19 done a thorough workup of the questions that you've
20 learned since medical school to ask about coronary
21 ischemia?

22 A. Hypothetical question is if that's what would have
23 happened, then of course, I would listen to the patient
24 and try to follow up on their symptoms.

25 Q. Okay. Why didn't you listen to the patient in a

1 phone message where he called in and told you he had chest
2 pain with pain radiating down his arms? Did you not have
3 an obligation at that point to work up the heart and a
4 chance of a coronary ischemia just as if Joe had walked
5 into your office the day of your office visit and said, I
6 got chest pain with pain radiating down my arms?

7 A. You're asking two different things. The first is
8 what if that would have happened. The second is when you
9 have the opportunity to actually speak with somebody, you
10 weigh what they're telling you even if there was this
11 message that was there. I had the opportunity to hear it
12 from Mr. Frankhauser the next day.

13 Q. You don't feel you had an obligation to say, Wait a
14 minute, Joe, I got a record here that says you had chest
15 pain radiating down your arms and I've talked to Dr.
16 Kundtz and he told me that you have these vague symptoms
17 and now you're giving me a very specific symptom. I need
18 to check into all these things and make sure I get the
19 information to assess the whole picture, the whole
20 picture, the whole picture, rather than follow up for a
21 G.I. evaluation. Wouldn't you agree with that?

22 THE COURT: Objection will be sustained.

23 I think you covered the subject, Mr. Volsky.

24 Let's go on to something else, please.

25 Q. (BY MR. VOLSKY) Isn't it true, Doctor, when Joe and

1 Gerry walked into your office that you had already made up
2 your mind that Joe had a G.I. problem and that's why you
3 wrote it down in your notes that he's coming in for a G.I.
4 evaluation?

5 A. The answer to that is no.

6 Q. Isn't it true that that is precisely what you did, a
7 G.I. evaluation without any attempt to evaluate whether or
8 not his symptoms could have been from his heart?

9 A. That is not true.

10 Q. Doctor, were you even aware that Joe Frankhauser had
11 been to two emergency rooms two days in a row?

12 A. No, I was not.

13 Q. You didn't know after asking Joe all the necessary
14 questions and trying to get as much information as you
15 could, as you already have told us that a good internist
16 tries to do, that Joe had been to the Solon emergency
17 department two days before when this new severe symptom
18 started?

19 A. No, I did not know he was there.

20 Q. Why was it that you didn't know that Joe had been to
21 the emergency department at Solon only two days before
22 when you had Joe sitting there right there in your office
23 and you were trying to get to the bottom of what his
24 problem was?

25 A. Well, obviously, Mr. Frankhauser knew that but I did

1 not.

2 Q. Doctor, I'm going to refer you to Page 50 of your
3 deposition. Do you remember that I asked you, Did you
4 know before this lawsuit was filed that Mr. Frankhauser
5 had been to the Solon emergency facility on June 16, 2002?
6 And your answer was, I'm honestly not quite sure that I
7 knew that. Then I asked you, So I'm correct that you,
8 prior to this lawsuit, were unaware that the Solon
9 emergency room doctor wanted Mr. Frankhauser seen by his
10 primary care physician as soon as possible for the workup
11 of both G.I. and cardiac? And your answer was, I don't
12 know how I would have known that. Is that correct?

13 A. Yes, it is.

14 Q. You didn't know how you would have known that? With
15 all due respect, Doctor, how about getting a complete
16 history, that's how you could have learned that Joe
17 Frankhauser's problems first started two days before and
18 sent him to the emergency room? Would you agree with
19 that?

20 MR. KILBANE: Objection.

21 THE COURT: Overruled.

22 A. No. When you take a history you have to have at
23 least some expectation that when you're talking with
24 somebody you might actually get answers that help you. If
25 somebody does not give you that information, how in the

1 world would you know for sure if anything else significant
2 happened? If there was opportunity for them to say such a
3 thing, why not?

4 Q. (BY MR. VOLSKY) Doctor, in taking that history of a
5 chest pain patient in a patient that you know has had
6 chest pain and pain radiating down his arms, don't you
7 think that you would ask questions to Joe like, Joe, when
8 did this really bad pain start? Is that an appropriate
9 question to ask somebody to get a history?

10 A. That's an appropriate question.

11 MR. KILBANE: Objection. Your Honor, can
12 I approach?

13 THE COURT: All right.

14 - - -

15 (Thereupon, a discussion was had
16 between Court and Counsel outside
17 the hearing of the jury and off the
18 record.)

19 - - -

20 Q. (BY MR. VOLSKY) Doctor, if you had asked Joe
21 Frankhauser the question of what did it feel like when the
22 pain started and how bad was it, you would have gotten an
23 answer that would have told you that it was on Sunday
24 night after a meal, wouldn't you have?

25 A. I don't know that, Mr. Volsky, because that's a

1 hypothetical question. But I have no reason to disbelieve
2 that Mr. Frankhauser wouldn't have said something if
3 that's what he was feeling.

4 Q. He can only say it if it's in response to the
5 appropriate question that you've been learned to ask?

6 A. No, that is not true. When you let people talk about
7 their symptoms there's no reason to believe that they
8 would not say anything that they felt was important.

9 Q. Don't you think you should have asked him, Joe, did
10 you get any treatment when that problem got really bad the
11 first time?

12 A. If that didn't come up or they didn't bring it up, I
13 couldn't have known that.

14 Q. You could have asked them.

15 A. I'm not sure that that's correct.

16 Q. Wouldn't you agree that a doctor who is trying to get
17 the whole picture of what the pain is and what the
18 symptoms are and whether it's come and whether it's gone
19 would be able to elicit from a patient that he had been to
20 two emergency rooms two days in a row if the doctor was
21 doing his job?

22 A. Again, it's not just the doctor doing his job, but
23 you have to let people say what is going on with them.

24 Q. It's Joe and Gerry Frankhauser's fault?

25 A. It's nobody's fault. There was no reason to believe

1 that he wouldn't have brought up going somewhere else or
2 another symptom. There was nothing that limited him from
3 not saying that.

4 Q. Nothing other than all your questions and your total
5 focus was on saliva, did it hurt when you took a deep
6 breath, his throat, those were the questions and that was
7 the information that you put in the chart?

8 A. Mr. Volsky, that does not limit him from saying
9 anything.

10 Q. Isn't it true that you did ask the questions you
11 asked based on the information in your chart and then you
12 did an examination of Joe, didn't you?

13 A. Yes.

14 Q. And you looked in his mouth to see whether there was
15 excess secretions and salivation; is that right?

16 A. Yes.

17 Q. Do you remember you pushed on his chest in an
18 examination? Do you remember that?

19 A. I probably did, yes.

20 Q. Okay. And do you remember that after doing that
21 rather than asking more questions you looked at Gerry and
22 Joe and you said, I think we got to call Dr. Chak, the
23 G.I. doctor, and make sure and we're going to have him
24 look down your throat?

25 A. I finished the examination first.

1 Q. Okay. You finished the examination, didn't ask any
2 more questions, and said after getting the information
3 about G.I., no, swallowing, no, this here, it hurts, you
4 know, and do your examination and then rather than asking
5 more questions about the heart or anything else you were
6 going to call Dr. Chak, and you got up and you went right
7 to the phone to call Dr. Chak to arrange for an
8 appointment as soon as possible for him to stick a tube
9 down Joe's throat to see what was going on in his
10 esophagus; isn't that true?

11 A. Not exactly. Mr. Volsky skipped over the part about
12 listening to his heart and lungs, and going over about
13 pressing on the chest, then there was some conversation,
14 about, you know, what this might be about, then I had to
15 find out that was Dr. Chak who actually knew Mr.
16 Frankhauser and did this. So we had to talk about that
17 for a little bit, too. Then I was excused again and came
18 back in and then we talked a little bit more, then I went
19 back out and called Dr. Chak at that point in time.

20 Q. Okay. And you told Dr. Chak on the phone that Joe
21 had told you that it felt like food stuck in the throat,
22 and you reminded Dr. Chak that Joe had esophageal cancer
23 before and you wanted Dr. Chak to look at the esophagus
24 and see what was going on?

25 A. No, that's not quite correct. I called Dr. Chak

1 because Mr. Frankhauser had known Dr. Chak and Dr. Chak
2 had remembered Mr. Frankhauser and told me that, you know,
3 there was this problem in the past where Joe needed
4 something after surgery where there was food getting
5 caught in the throat. So he knew that. And he also said,
6 Mr. Frankhauser's I think due for a colonoscopy also.

7 Q. You mentioned Dr. Chak knew and remembered that Joe
8 had had a problem with food stuck in his throat before.
9 That was right after his surgery the first time four years
10 before he had some swallowing problems. Did you know
11 that?

12 A. I looked back later in the records, and it was in
13 '99.

14 Q. 1999. Well, '99 is when he had a stricture as a
15 result of the anastomosis, they had connected the two
16 sides, and that's a known complication of that kind of a
17 surgery that you can get some scar tissue and stuff that
18 gets in the esophagus and it's called a stricture,
19 correct?

20 A. Thank you. Yes.

21 Q. And the doctor has to go in and kind of push it apart
22 and stretch it a little bit, and that's what Dr. Chak did;
23 is that right?

24 A. Yes, did.

25 Q. And that was in 1999?

1 A. Yes, it was.

2 Q. And we're in 2002, and you're unaware that he had any
3 food in the throat problems for almost three years --
4 would you agree with that -- based on his records?

5 A. Well, based on his records.

6 Q. Okay. I just didn't want to leave a misconception
7 that this --

8 THE COURT: Excuse me. We're not asking
9 what you would want. Ask questions.

10 Q. (BY MR. VOLSKY) There was a large time gap from when
11 he had his stricture problem closer to his surgery from
12 today; isn't that correct?

13 A. That's not unusual. Yes.

14 Q. And he went to Dr. Chak, right?

15 A. Yes, he did.

16 Q. And he went there because you believed that that was
17 his problem?

18 A. I believed that that is what was happening at the
19 time, yes.

20 Q. And you were concerned that his cancer was back?

21 A. I only wasn't concerned about that. Again, when
22 people say that they have this sensation or feeling, it
23 puts them at risk every time they swallow something or
24 might be able to aspirate something. And whether it was
25 cancer or stricture or inflammation or, you know, anything

1 that might have been coming back up, you have the
2 responsibility to do something about that.

3 Q. Okay. And you also had the first letter from Dr.
4 Levitan that had raised this issue that Joe might have his
5 metastatic cancer back?

6 A. Yes, I had that information.

7 Q. And that was concerning to you?

8 A. Again, if you use all of the available information,
9 you put these things in context, the patient, this is what
10 his complaint was, the other doctor tells you something
11 about epigastric, you have a CT Scan that shows there
12 might be a possible recurrence, you have a patient who
13 confirms these particular symptoms, you don't have
14 anything else that's strongly related to those symptoms as
15 that, you take all that information together and, you
16 know, I think it would be foolish if I did not pursue
17 those things.

18 Q. Okay. Doesn't Dr. Levitan's report from April, which
19 you had read by that time --

20 A. Yes.

21 Q. -- say that have Joe was going to have another CT
22 Scan in a month?

23 A. Yes.

24 Q. It also said it was possible that the problem was
25 pneumonia?

1 A. Yes.

2 Q. It was more than a month later when Joe came into
3 your office that day?

4 A. Yes.

5 Q. Did you ask Joe whether he had had another CT Scan?

6 A. No, I did not.

7 Q. Did you ask him whether he had seen Dr. Levitan again
8 within the last month?

9 A. I don't believe so.

10 Q. Did you ask him whether or not Dr. Levitan was still
11 worried that it was cancer?

12 A. No, I did not.

13 Q. Did you call Dr. Levitan to find out whether there
14 was still any evidence that he had cancer on his next CT
15 Scan?

16 A. No, I didn't.

17 Q. So when Gerry and Joe walked out of your office you
18 were still under this impression he had a spot on his lung
19 consistent with metastatic esophageal cancer?

20 A. Again, there wasn't anything to suggest otherwise.

21 Q. Unless you got the information and asked the right
22 questions of Joe or called Dr. Levitan?

23 A. Again, there was nothing limiting Mr. Frankhauser
24 from saying anything. There was no cap on what he was
25 allowed to say or not allowed to say.

1 Q. It's Joe's job to tell you that the CT Scan is clear
2 and Dr. Levitan gave him a clean bill of health? He's
3 supposed to know to tell you that?

4 A. If Mr. Frankhauser knew that, yes, he's entitled to
5 be able to tell me that.

6 Q. Doctor, this is a man and a woman who have frankly
7 gone from one emergency room and was told that it was
8 G.I., and another emergency room and was told it's G.I.,
9 now they've come to your office, you have done your
10 evaluation, you tell them it's G.I., I got to call Dr.
11 Chak. Do you think they were under a little stress, and
12 do you think they were thinking as clearly as you as the
13 objective doctor as to what the important information was?
14 Is that their job?

15 A. I can't say that it has to be a job. I'm just saying
16 that there was no way that limited any opportunity for
17 them to -- or Mr. Frankhauser to say anything about those
18 things.

19 Q. And there was nothing limiting your opportunity to
20 ask Joe whether he had any of this information or call Dr.
21 Levitan?

22 A. Again, if that information was brought up, you know,
23 it would have been very, you know, applicable to talk
24 about it at that time.

25 Q. Doctor, something just occurred to me now after all

1 these years. You indicated before that you were able to
2 get University Hospitals labs on the computer and you were
3 able to call up information?

4 A. Yes.

5 Q. I assume that's also true of radiology reports?

6 A. No. Unfortunately, the Scanning Department was
7 undergoing a major overhaul and I was limited to labs that
8 had not been called out.

9 Q. Instead, you just put two and two together and
10 figured it must have been his cancer without checking out
11 could it be his heart? Is that true?

12 A. Again, there was no assumption that it was cancer at
13 that point. It was following up on patient's symptoms.

14 Q. Well, you had a pretty strong indication that it was
15 a strong consideration in your mind, because you took the
16 time to write at the end of your note after speaking to
17 Dr. Kundtz to remind yourself about this CT Scan and this
18 suspicious pulmonary nodule, that would have been
19 metastatic esophageal cancer; is that right?

20 A. Again, I was just trying to use the available
21 information that I had.

22 Q. Did you ever consider getting Joe a stress test?

23 A. I might have.

24 Q. Do you remember whether it was a consideration or
25 something that you thought about doing?

1 A. My actual consideration at that time was to try to
2 find out what was going on based on the visit that he had
3 and that whatever was coming -- we would have to
4 re-evaluate whatever is going on based on those findings.

5 Q. Even though cardiac ischemia had clearly not been
6 ruled out by Dr. Kundtz in the emergency room the day
7 before, correct?

8 A. Yes.

9 Q. Don't you have partners in your medical group who are
10 cardiologists?

11 A. No, I do not.

12 Q. None of them have a specialty in cardiology?

13 A. No, they don't.

14 Q. If you thought it was necessary, you could have
15 arranged for Joe to get a stress test quickly, couldn't
16 you have?

17 A. Semi.

18 Q. What does that mean?

19 A. Well, a stress test isn't available every day there,
20 so I would have to go by whatever scheduling would be.

21 Q. Well, if it wasn't in the office there, you could
22 have arranged for him to get a stress test somewhere
23 within University Hospitals system, correct?

24 A. I have to go through the same things. As an
25 outpatient, it's very difficult to arrange that.

1 Q. If you felt that was important for this patient, you
2 could have arranged for a quick stress test?

3 A. How quick is a matter of debate. Unlike other
4 procedures, it's very rare you would bump someone else
5 that has a stress test for another person because it's the
6 same idea.

7 Q. Okay. But you didn't feel it was necessary to even
8 try to get him a stress test; is that right?

9 A. My main focus at that point in time was based on the
10 visit that we had, in lieu of any other important
11 information that we came to together, we went ahead with
12 the upper endoscopy.

13 Q. Doctor, you give physicals in your office all the
14 time, don't you?

15 A. Yes.

16 Q. Does your office have an EKG machine?

17 A. Yes, it does.

18 Q. That's part of a standard physical. You give EKGs
19 all the time?

20 A. Yes.

21 Q. How long does an EKG take?

22 A. I don't know. Three minutes.

23 Q. You didn't even take an EKG of Joe that day, did you?

24 A. No.

25 Q. Even though he had complained of chest pain radiating

1 down his arms, you didn't feel it was even necessary to do
2 an EKG?

3 A. I had known he had an EKG the day before.

4 Q. That was then and this is now.

5 A. Yes.

6 Q. He could have had a totally different picture now,
7 correct?

8 A. No. I can't say that.

9 Q. EKGs just because they are not negative once doesn't
10 mean they're not going to be negative two or three hours
11 later. That's why they do serial EKGs when they're
12 worried about a chest pain patient; isn't that true?

13 A. That's true.

14 Q. Doctor, even if Joe's cancer had returned, what
15 difference would a week or two make in delaying that
16 diagnosis on how long Joe would have had to live?

17 A. Again, you're making the assumption that it was only
18 the cancer that was important. And although that might
19 have been one of the things, as I already mentioned, if
20 there's something else that can happen, such as aspiration
21 or rupture or anything else that might be important, those
22 are equally impressive symptoms and carry a large amount
23 of symptoms with it.

24 Q. Equally impressive is the number one killer of men
25 Joe's age?

1 A. The number one killer of men Joe's age?

2 Q. Yes.

3 A. Is cancer.

4 Q. Doctor, we've had all kinds of experts in this case
5 all readily concede the number one killer of men Joe's age
6 is heart disease. I don't want to argue with you. You
7 believe it to be cancer?

8 A. Well, that's what the census, the data, shows.

9 Q. Okay. The point is is that the possibility of some
10 sort of esophageal rupture or life-threatening condition
11 even in a guy with Joe's history, he was much more at risk
12 of heart problem than any of those unusual events?

13 A. No. First of all, they were not -- they would not
14 have been unusual for Joe Frankhauser. This gentleman had
15 esophageal cancer, he had had previous problems with
16 swallowing before his surgery, he had problems after his
17 surgery. The risk of aspiration, pneumonia, rupture, are
18 equally important and life-threatening situations if that
19 were to persist.

20 Q. But you certainly had the obligation to get as much
21 information as was necessary to evaluate the possibility
22 that it was heart so that you could weigh the risks with
23 as much information as possible so you could make an
24 educated assessment. Do you agree with that?

25 A. I did make an educated assessment.

1 Q. When did you learn that Joe died?

2 A. The day that he passed away.

3 Q. Was it before or after you got the letter from Dr.
4 Levitan that Joe did not have cancer?

5 A. It was before.

6 Q. Did you speak to Gerry Frankhauser after Joe's death?

7 A. Yes, I did.

8 Q. What was the purpose of that call?

9 A. Condolences.

10 Q. Do you recall speaking to Gerry about whether or not
11 she should have an autopsy?

12 A. Again, when somebody passes away at home, it's not my
13 jurisdiction whether people get an autopsy or not.

14 Q. Do you remember it being discussed in your
15 conversation with Gerry Frankhauser?

16 A. I did not discuss autopsy.

17 Q. If Gerry Frankhauser testifies in this case -- I'm
18 going to ask you to assume she testifies during the
19 conversation you tried to talk her out of an autopsy three
20 different times, would you disagree with that testimony?

21 A. Yes, I will. Yes, I do.

22 Q. Doctor, I want to take you back to -- My instinct is
23 to show it to you. This isn't the one. I want to take
24 you back to this one. That's the note of Joe and Gerry
25 when they came to your office; isn't that right?

1 A. Yes, it is.

2 Q. Doctor, that might be the worst handwriting I've seen
3 in 25 years of doing this. Would you agree with that?

4 THE COURT: I don't know how he can agree
5 which handwritings you have seen.

6 MR. VOLSKY: Fair enough.

7 Q. (BY MR. VOLSKY) Do you agree that much of it is
8 illegible to most of us other than you?

9 MR. KILBANE: Objection.

10 THE COURT: Sustained.

11 Q. (BY MR. VOLSKY) Do you think -- Have you found that
12 people have trouble reading your handwriting?

13 A. It depends. I write in a pattern. When my medical
14 assistants are there, they can read that. When people
15 have looked at it for a while, they can look at and read
16 that, too.

17 Q. Doctor, would you read for us the first line?

18 A. The first line in my writing?

19 Q. Yes.

20 A. Sick visit.

21 Q. Okay. I can see that sick visit.

22 What does the second line say?

23 A. 3 days.

24 Q. 3 days. That's days?

25 A. Yes, it is.

1 Q. Okay.

2 A. Of.

3 Q. What's that?

4 A. Feeling.

5 Q. Okay. Food I can read. Stuck in throat.

6 A. Uh-huh.

7 Q. Okay. Would you agree the food stuck in throat is
8 pretty legible but that the first part really isn't?

9 MR. KILBANE: Objection.

10 THE COURT: Sustained.

11 Q. (BY MR. VOLSKY) Would you read the third line,
12 please.

13 A. Without, S with a bar above it, N/V, nausea/vomiting,
14 then up arrow for increased salivation, fever.

15 Q. Would you read the fifth line.

16 A. Fifth line. Vital signs noted. You said the fifth
17 line? Vital signs noted. VS noted.

18 Q. Doctor, would you agree with me that on this fourth
19 line there's a period halfway through that line?

20 A. Yes.

21 Q. Do you think that that is something that is legible
22 to the average person?

23 MR. KILBANE: Objection.

24 THE COURT: Sustained.

25 Q. (BY MR. VOLSKY) Would you agree with me that there

1 is a period there after the second part of that line?

2 A. Yes.

3 Q. After the words asymptomatic today?

4 A. Yes.

5 Q. What does asymptomatic mean?

6 A. Is not current.

7 Q. Asymptomatic means not having any problem at the
8 time?

9 A. Right at the moment.

10 Q. Okay. But you said asymptomatic today, which would
11 mean the whole day?

12 A. Well, it was the morning, so not much of the day had
13 gone by.

14 Q. Would you agree with me the words asymptomatic today
15 are totally readable and legible, that anybody could read
16 that?

17 MR. KILBANE: Objection.

18 THE COURT: Sustained.

19 Ladies and gentlemen, there has been no
20 evidence in this case that the legibility of
21 writing is a meaningful issue in the case.

22 Q. (BY MR. VOLSKY) Would you agree with me there's a
23 difference in the style of the writing from the beginning
24 of this fourth line and then a different style of writing
25 with the words asymptomatic today?

1 MR. KILBANE: Objection.

2 THE COURT: You may answer that.

3 A. No.

4 Q. (BY MR. VOLSKY) This isn't something that is kind of
5 like in your cryptic hieroglyphics or whatever your lawyer
6 called it?

7 THE COURT: Why don't we call it his
8 notes.

9 Q. (BY MR. VOLSKY) The style of your writing in your
10 notes is different on the first half of this line than the
11 second half. Would you agree with that?

12 A. No.

13 Q. The style of your writing after the words
14 asymptomatic today is different than the rest of your
15 writing in the rest of that note, isn't it?

16 A. No.

17 MR. KILBANE: Objection. Your Honor, can
18 I approach?

19 THE COURT: All right. I'll listen to
20 you.

21 - - -

22 (Thereupon, a discussion was had
23 between Court and Counsel outside
24 the hearing of the jury and off the
25 record.)

Year	1990	2000	2010
------	------	------	------

1
2
3
4
5
6
7
8
9
0
1
2
3
4
5
6
7
8
9
0
1
2
3
4
5

Q. (BY MR. VOLSKY) Doctor, do you still feel that Joe Frankhauser was at average risk for an adult male for coronary ischemia or acute coronary syndrome?

A. I think that's what we mentioned yesterday.

Q. You still believe it as you sit here today?

A. From yesterday to today, yes.

Q. Doctor, will you at least admit that looking back with hindsight that Joe's pain on June 17th and June 16th and when you saw him on June 18th was caused by too little blood to his heart?

A. I can look back with hindsight only because we already have an autopsy result. But you cannot extrapolate people's symptoms based on an autopsy finding.

Q. You had the autopsy finding when I took your deposition way back when, didn't you?

A. To be honest, I'm not quite sure I had that at the time, but I think I did, yes. I think I did.

Q. And on Page 87, Line 18 --

A. That's right, yes.

Q. -- I asked you, I'm asking you to look back with hindsight looking back knowing everything you know, would you agree that the cause of his pain back on June 18th was probably the ischemia? And you answered, I can't say that because it's unclear. It's hard to take an autopsy

1 finding and extrapolate backwards to symptoms.

2 Do you remember saying that?

3 A. I think I just said it right now.

4 Q. No. I think that you said that now looking back with
5 hindsight you're able to say that it was the coronary
6 ischemia that was causing his pain all along. Isn't that
7 what you said?

8 A. I believe I said almost exactly what I said in the
9 deposition.

10 Q. Let me ask you again then. As you sit here today
11 with hindsight, would you concede that it was coronary
12 ischemia all along that was causing Joe's symptoms?

13 A. Well, is not the only thing that was going on at the
14 time. So when you have symptoms, they could be consistent
15 with that and there could be other symptoms, too.

16 Q. And I understand that, and you considered those at
17 the time. Now I'm asking you as you sit here today,
18 knowing everything you know, you've seen the autopsy,
19 you've seen the results, you've heard testimony, as you
20 sit here today wasn't it the coronary ischemia causing his
21 symptoms all along?

22 MR. KILBANE: Objection.

23 THE COURT: You may answer.

24 A. Well, you didn't mention the results of the endoscopy
25 which I also didn't have before Mr. Frankhauser passed

1 away stating acute and chronic inflammation of the
2 esophagus.

3 Q. (BY MR. VOLSKY) Dr. Chak stuck a tube down his
4 throat with a camera and Dr. Chak in looking at it said
5 there's nothing wrong, everything's fine. Wasn't that his
6 assessment of looking right at the esophagus?

7 A. That was the -- What he found there was no stricture
8 there. However, on his biopsy results he did find chronic
9 and acute focal inflammation.

10 Q. You're saying when he took a piece of tissue and cut
11 it into slides and put it on a microscope and put it up
12 100 times or whatever it was, he saw some cells in there,
13 the pathologist, that there's chronic and acute
14 inflammation. Are you telling me that microscopic chronic
15 and acute inflammation was in fact a cause of Joe's
16 symptoms on the 16th, 17th and 18th?

17 A. I can't speak for his symptoms on the 16th or the
18 17th. On the 18th perhaps. But when you have acute
19 inflammation, that means something actively is going on.
20 So very conceivably at least some of his symptoms were due
21 to just what was found there.

22 Q. So you won't even concede after all this that it was
23 his heart causing his symptoms all along?

24 A. No, I didn't say that.

25 Q. You take no responsibility for at least contributing

1 to Joe's death?

2 A. That's a very difficult question. Anytime somebody
3 in your practice passes away, it's an entirely traumatic
4 event. There's not one time that goes by when you don't
5 feel some measure of sympathy, compassion, responsibility
6 for any patient. Doesn't matter if you've known them one
7 day. It doesn't matter if you've known them a lifetime.

8 Q. Doctor, am I correct that it is your testimony that
9 you considered Joe's complaints to Dr. Kundtz that Dr.
10 Kundtz told you about in the University Hospitals
11 emergency room of heartburn and some vague complaints?

12 A. I'm sorry, can you ask that again, please?

13 Q. Of course. Am I correct that it's your testimony
14 that you considered Joe's complaints as described to you
15 by Dr. Kundtz on the telephone from the emergency room at
16 University Hospitals of heartburn and these vague symptoms
17 that he couldn't get a handle of?

18 A. Yes, I considered that.

19 Q. I want to ask you a hypothetical question. Do you
20 understand what that is?

21 A. Yes, I do.

22 Q. Okay. Instead of those symptoms that Dr. Kundtz told
23 you about, I want you to assume instead that you had been
24 told by the emergency department physician that Joe's
25 complaints were midsternal chest pain described as

1 squeezing and tightness which occurred after eating and
2 lasted one and a half hours; that the pain was now gone
3 but that the pain during that one and a half hours had
4 radiated to his arms; he was short of breath and his arms
5 felt heavy. If you assume that you were told on the phone
6 by an emergency department physician of those symptoms,
7 wouldn't you have wanted Joe Frankhauser hospitalized and
8 worked up to rule out acute coronary syndrome?

9 MS. HARRIS: Objection, Your Honor.

10 THE COURT: Overruled. Overruled means
11 that the question is permissible. The jury will
12 decide what significance the answer will be.

13 Sustained means you should not answer it.

14 A. Overall, if the different set of symptoms were given
15 to me, I would make that decision based on what was
16 happening at the time.

17 THE COURT: So you understand, he's not
18 asking about your decision under your
19 circumstance. He's asking under circumstances
20 which you have said were not applicable. But he
21 wants you to assume those circumstances and see
22 what response, if any, you have to that.

23 A. Again, I would take the situation as it was presented
24 to me at that time.

25 Q. (BY MR. VOLSKY) I want you to assume that is the

1 situation, that's what you're told by the emergency
2 department doctor. What do you do?

3 A. You try to do the best that you can for the patient.
4 So if you hear those symptoms, you might under the
5 hypothetical situation say, okay, admit the patient if
6 that's the emergency department physician's
7 recommendation.

8 Q. What if the emergency department physician doesn't
9 make those recommendations with those symptoms. You have
10 a responsibility to do for the patient what you feel is
11 right based on the information provide by the emergency
12 department physician, don't you?

13 A. It's very difficult to when somebody else is actually
14 seeing somebody and you're on the other end of the
15 telephone saying what is going on there, anything else
16 going on. That could happen. I would gather that that's
17 a good responsibility to have. If the person who's
18 actually seeing the patient has a specific recommendation
19 that was to admit that patient, then hypothetically yes, I
20 have no reason to disagree with them.

21 Q. What if they say I don't want to admit this patient,
22 I want to send them home, and you have these symptoms told
23 to you?

24 A. I have some reason or understanding why. That's
25 fair.

1 Q. You don't blindly go by whatever the emergency
2 department doctor recommends, do you?

3 A. When you're not actually seeing the patient, you have
4 to rely on something. You have to rely on what you're
5 given and what you may or may not know about the patient
6 at the time.

7 Q. But you don't know this emergency department -- Let's
8 assume you don't know the emergency room physician from
9 Adam and that person gets on the phone and says, I got
10 your patient in here, he's your patient and you've cared
11 for him for years, and they're calling to get your input
12 on the situation. Isn't it a joint responsibility of the
13 two of you to make a decision what to do with a patient as
14 far as further follow-up care?

15 A. Yes. I'd like to think we can help out.

16 Q. Okay. And this doctor says, You know, well, he's got
17 midsternal chest pain, he describes it as squeezing and
18 tightness. It occurred after eating. It lasted for an
19 hour and a half. The pain was radiating to his arms and
20 his arms felt heavy. If you were told those symptoms,
21 wouldn't you want your patient who's in your hands to make
22 sure that he goes into the hospital to make sure he
23 doesn't have acute coronary syndrome going on?

24 A. Under that type of hypothetical I have no reason to
25 believe I wouldn't suggest that that person needs to be

1 admitted. I mean, that's a hypothetical situation.
2 That's a hypothetical answer. And it's probably true on
3 both accounts.

4 MR. VOLSKY: Thank you very much. That's
5 all I have.

6 THE COURT: I think it's time for the
7 morning recess. I gather you've concluded your
8 questions of the witness at this time.

9 MR. VOLSKY: I have, Your Honor.

10 THE COURT: We'll call you back in
11 approximately 15 minutes. While you're out of the
12 room keep in mind the instructions.

13 Rise for the jury, please.

14 - - -

15 (Thereupon, a recess was had.)

16 - - -

17 (Thereupon, a discussion was had
18 between Court and Counsel outside
19 the presence of the jury as follows:)

20 - - -

21 THE COURT: Counsel for Dr. Chisar has
22 supplied the Court with the deposition of a
23 prospective witness, Henry Smoak, S-m-o-a-k, for
24 review and consideration for possible objections.
25 More specifically, counsel for Defendant Chisar

1 suggests there are questions in the deposition
2 that relate to or rely upon information supplied
3 by another prospective expert witness named
4 Glauser, G-l-a-u-s-e-r. At least according to
5 counsel for Dr. Chisar, who is the person who
6 arranged for the opinions of Dr. Glauser, she will
7 not be calling Dr. Glauser as a witness. As far
8 as I know, no one else will be calling Dr. Glauser
9 as a witness.

10 So the question is whether or not the
11 portions of the deposition which refer to or rely
12 upon any information from Dr. Glauser should be
13 redacted from the deposition at this time. Do you
14 want to express your opinion on that first, Ms.
15 Harris?

16 MS. HARRIS: Yes, Your Honor. First and
17 foremost, yesterday Kerry indicated to me and to
18 the Court that he was concerned about the
19 duplication of experts, and I told him I was
20 withdrawing Glauser. When we took Dr. Smoak's
21 deposition, Dr. Glauser's testimony was cited by
22 Kerry. As far as I'm concerned; one, it was
23 hearsay. It has to do with what Dr. Glauser said.
24 There was no question as to whether Dr. Smoak had
25 even relied on this testimony at any time in

1 formulating his opinions. So it is my opinion
2 that it's not only hearsay but it's not in
3 evidence and should be redacted from the
4 deposition.

5 THE COURT: All right.

6 Mr. Volsky, you had some contrary
7 position?

8 MR. VOLSKY: Thank you, Your Honor. Yes,
9 I do. The record should reflect that plaintiff's
10 counsels these days face the additional challenge
11 of having multiple experts identified and giving
12 expert reports and deposition testimony allowing
13 the defendants to pick and choose duplicative
14 experts in the same field. Another practice which
15 follows from that is that the defense counsel
16 provides all the depositions to prepare the
17 witness, subsequent witness, from the same
18 specialty with the questions asked by plaintiff's
19 counsel in the first deposition to the second.
20 Part of that, however, the peril in doing that is
21 the second doctor then considers the information
22 and report and deposition testimony of the prior
23 expert witness in the same specialty and therefore
24 uses it in coming to their opinions and considers
25 the information of the additional expert by the

1 same defendant in coming to their opinions, and
2 when they do that, they open the door to allow
3 questioning as to whether or not the opinions of
4 the other expert in the same specialty for the
5 same defendant had any bearing on their opinions.

6 And I therefore think it is appropriate
7 Cross Examination to delve into the trial
8 testimony of one of the two experts that
9 ultimately the defendant picks to bring to trial.

10 THE COURT: I have reviewed the
11 deposition, and in my review I did not find any
12 statement by this witness, Dr. Smoak, that he
13 relied upon any information that was obtained from
14 Dr. Glauser or Dr. Glauser's report or any other
15 source identified with Dr. Glauser. If in fact
16 Dr. Smoak relied upon any such information, I
17 perceive that it should be available for inquiry
18 under Evidence Rule 703. Absent that, it would be
19 simply the introduction of hearsay information
20 where Dr. Glauser does not testify and we have no
21 other source for that reported information.

22 Does either counsel represent to the court
23 that there is something in the deposition which
24 shows that Dr. Smoak relied upon any information
25 from Dr. Glauser or attributable to Dr. Glauser

1 for his opinions?

2 MR. VOLSKY: It is my opinion that in
3 reading Dr. Glauser's deposition testimony and
4 considering all the information in evidence
5 provided to the expert by counsel for the
6 defendant hiring that expert, that it is fair
7 Cross Examination inquiry to ask him about that
8 information. Whether he admits that it had any
9 direct bearing on his opinions, he certainly
10 reviewed and considered it in coming to his
11 opinions; and therefore, I think it's proper Cross
12 Examination in trial.

13 THE COURT: Is there any testimony in the
14 deposition of Dr. Smoak that he relied upon any
15 information from Dr. Glauser or attributable to
16 Dr. Glauser?

17 MR. VOLSKY: No, sir.

18 THE COURT: On that basis the Court will
19 exclude references to Dr. Glauser or Dr. Glauser's
20 information and will direct the proponent of the
21 deposition, Ms. Harris, to cause that to be
22 redacted from the deposition before it is played.

23 MS. HARRIS: Thank you.

24 THE COURT: The Court will deal with other
25 objections to the deposition of Dr. Smoak, both

1 those asserted by Plaintiff's counsel and by
2 various Defendants' counsel, at a later time.

3 - - -

4 (Thereupon, proceedings were resumed
5 within the presence of the
6 jury as follows:)

7 - - -

8 THE COURT: Ms. Harris, do you have any
9 questions of this witness?

10 MS. HARRIS: Just a couple, Your Honor.

11 THE COURT: All right.

12 - - -

13 CROSS-EXAMINATION OF ROBERT CIRINO

14 BY MS. HARRIS:

15 Q. Dr. Cirino, Mr. Volsky asked you some questions that
16 were a hypothetical -- do you recall that -- just before
17 you finished?

18 A. Yes.

19 Q. First off, Doctor, am I correct that when an
20 emergency department physician contacts you, particularly
21 when you're at home in the evening, that you would rely
22 upon the recommendation of the emergency physician as to
23 whether or not a patient needs to be admitted?

24 A. Yes.

25 Q. And Mr. Volsky gave you some I believe symptoms in

1 that hypothetical when you were talking to the emergency
2 department physician you would like to know all of the
3 symptoms such as food getting stuck in the throat, just
4 had a heavy meal, had a history of esophageal cancer.
5 Would you like to know those before you make a decision,
6 those kinds of things?

7 A. Yes.

8 Q. So you want as much information from that emergency
9 physician?

10 A. Yes.

11 Q. And I take it you would like to know the results of
12 tests such as EKGs, enzymes, that kind of thing, before
13 you make a decision along with the emergency room
14 physician as to further handling of the patient?

15 A. Yes. That sounds reasonable, yes.

16 Q. By the way, you are aware from the testimony in this
17 courtroom that Dr. Chisar got a history of Mr. Frankhauser
18 eating a heavy meal and then having food stuck in his
19 throat; is that correct?

20 A. Yes.

21 Q. And when you saw Mr. Frankhauser three days later, he
22 indicated that he had had food stuck in his throat for
23 three days; is that correct?

24 A. Yes, that's correct.

25 Q. From your point of view, that was a very important

1 finding from his cancer perspective, correct?

2 A. Yes.

3 Q. And would you agree then that it would be a very
4 important finding for Dr. Chisar if she knew about his
5 cancer problems?

6 A. Well, yes.

7 Q. That's critical to your thinking, correct?

8 A. Yes.

9 MS. HARRIS: Thank you, Doctor. I have no
10 further questions.

11 THE COURT: Mr. Jones?

12 MR. JONES: I have no questions for Dr.
13 Cirino, Your Honor.

14 THE COURT: Mr. Kilbane?

15 - - -

16 DIRECT EXAMINATION OF ROBERT CIRINO

17 BY MR. KILBANE:

18 Q. It has been suggested, Dr. Cirino, you had already
19 reached in your mind the decision you were going to do
20 only a G.I. workup when you saw him on the 18th. Do you
21 remember those questions and suggestions?

22 A. I remember those questions and suggestions.

23 Q. If in fact you had already reached the conclusion
24 that this patient was absolutely going to have only a G.I.
25 workup, would you have been able to refer him directly to

1 a gastroenterologist to do that G.I. workup?

2 A. I guess we could have missed the visit altogether and
3 made a direct referral to his gastroenterologist, yes.

4 Q. Would there be any reason to see this patient in your
5 office on the 18th if you had already reached the decision
6 in your mind that a G.I. evaluation was going to be done?

7 A. No particular reason.

8 Q. Was the reason that you saw him in your office was --
9 Was the reason to re-evaluate his complaint and make a
10 determination what type of workup to do?

11 A. Yes, of course.

12 Q. When you start asking a patient a history and you see
13 them in your office for the first time, how do you begin
14 that history?

15 A. Hello, how are you doing, and then start to ask like,
16 What are you here for?

17 Q. When you ask them, What are you here for, do you say
18 what has been going on, what brings you here today?

19 A. Well, something like that, but probably even more
20 general than that.

21 Q. When you ask that question do you expect that
22 patients will tell you what has been leading up to coming
23 to your office?

24 A. Yes, I have that reasonable expectation.

25 Q. If that patient has been to multiple emergency room

1 visits, is that something you expect the patient would
2 share with you when you ask them what brings you here
3 today?

4 A. Yes.

5 Q. Unless the patient tells you that they've been to an
6 emergency room, is there any way for you to know to even
7 ask that they've been to an emergency room?

8 A. Well, no, not really.

9 Q. When you see patients do you routinely go through a
10 list of all the emergency rooms in town to see if they
11 have been to all of them?

12 A. I'm afraid I don't, no.

13 Q. Are there multiple things that could be an
14 explanation for a feeling of food stuck in a patient's
15 throat?

16 A. Are there multiple explanations for that? That's
17 pretty specific for food being stuck in your throat.

18 Q. Would one of the potential problems be with stricture
19 of the anastomosis at the area of the surgery?

20 A. That could certainly be one of them.

21 Q. Could one of those be esophageal spasm?

22 A. Yes. Yes, it could.

23 Q. Could one of them be return of cancer?

24 A. Yes.

25 Q. Could one of them be laceration or lesion from reflux

1 or acid?

2 A. Yes.

3 Q. Are some of those things immediately
4 life-threatening?

5 A. Yes, they are.

6 Q. Do you feel very bad about Mr. Frankhauser dying?

7 MR. VOLSKY: Objection.

8 THE COURT: The form of the question.

9 This is your client.

10 Q. (BY MR. KILBANE) How do you feel about Mr.
11 Frankhauser dying?

12 A. I feel terrible.

13 THE COURT: The objection is overruled.

14 The answer may stand.

15 Q. (BY MR. KILBANE) Despite the terrible feeling about
16 his death, do you believe you gave him excellent care?

17 A. Yes.

18 Q. Do you believe you acted appropriately and your
19 treatment met the standard of care?

20 A. Yes.

21 THE COURT: Any Redirect at this time?

22 MR. VOLSKY: No, Your Honor.


23 THE COURT: Thank you. You may step down.

24 Plaintiff may call the next witness.

25 * * * * *

C E R T I F I C A T E

I, Angela R. Cudo, Official Court Reporter
for the Court of Common Pleas, Cuyahoga County,
Ohio, do hereby certify that I am employed as an
Official Court Reporter, and I took down in
stenotypy all of the proceedings had in said Court
of Common Pleas in the above-entitled cause; that I
have transcribed my said stenotype notes into
typewritten form, as appears in the foregoing
Excerpt Transcript of Proceedings; that said
transcript is an excerpt record of the proceedings
had in the said cause, and constitutes a true and
correct Excerpt Transcript of Proceedings had
therein.



Angela R. Cudo, RPR
Official Court Reporter
Cuyahoga County, Ohio