

**In The Matter Of:**

*Jackson, et al. v.  
Wartanian, M.D., et al.*

---

*Robert Ryan Clancy, M.D.  
March 10, 1997*

---

*Vincent Varallo Associates, Inc.  
Registered Professional Reporters  
Eleven Penn Center  
1835 Market Street, Suite 600  
Philadelphia, PA 19103  
(215) 561-2220 FAX: (215) 561-2221*

Original File rc031097.v1, 84 Pages  
Min-U-Script® File ID: 1536760695

**Word Index included with this Min-U-Script®**

Page 1

IN THE CIRCUIT COURT  
FOR BALTIMORE CITY  
BARBARA JACKSON, Grandmother:  
and Next Friend of KYRSTEN:  
ELLIOTT, Infant, et al. :  
Plaintiffs  
vs.  
GHEVONT WARTANIAN, M.D., et al.:  
Defendants : NO. 98091014  
Philadelphia, Pennsylvania  
Monday, March 10, 1997  
Deposition of ROBERT RYAN CLANCY,  
M.D., taken pursuant to notice, at the offices of  
Vincent Varallo Associates, Eleven Penn Center,  
1835 Market Street, Suite 600, on the above date,  
beginning at approximately 2:35 p.m., before  
Joseph McCauley, Court Reporter and Notary  
Public.  
VINCENT VARALLO ASSOCIATES, INC.  
Registered Professional Reporters  
Eleven Penn Center  
1835 Market Street, Suite 600  
Philadelphia, PA 19109  
(215) 561-2220

Page 2

APPEARANCES:  
PHILIP G. FEDERICO, ESQUIRE  
Schochor, Federico and Steton, P.A.  
The Pauton  
1211 Saint Paul Street  
Baltimore, MD 21202  
Counsel for Plaintiffs  
(Via Telephone)  
MICHAEL E. CROSS, ESQUIRE  
Smith, Somerville & Case, L.L.C.  
100 Light Street  
Baltimore, MD 21202  
Counsel for Ghevont Wartanian,  
M.D.  
SUSAN BOYCE, ESQUIRE  
Jacobson, Maynard, Tuschman & Kalir  
10520 Little Patuxent Parkway  
Suite 1200  
Columbia, MD 21044  
Counsel for Harbor Hospital Center  
(Via Telephone)  
(INDEX at end of transcript)

Page 3

[1] ...ROBERT RYAN CLANCY, M.D., The  
[2] Children's Hospital, Division of Neu-  
rology, 324 [3] South 34th Street, Phil-  
adelphia, PA 19104, having [4] been first  
duly sworn, was examined and testified  
[5] as follows:  
[6] BY MR. FEDERICO:  
[7] Q: Doctor, your full name for the  
record?  
[8] A: Robert Ryan Clancy.  
[9] MR. CROSS: Phil?  
[10] MR. FEDERICO: Yes.  
[11] MR. CROSS: Just let me give you a [12]  
couple things here. There's a CV that Dr.  
Clancy [13] gave me today which is dated  
February 1997. I can [14] use that one if  
you want to attach it to the [15] transcript.  
[16] MR. FEDERICO: Thank you, Mike.  
[17] MR. CROSS: To sort of focus things  
[18] for today, I think Dr. Clancy's opinions  
are going [19] to be limited to some  
opinions he has after [20] looking at the  
CT and the ultrasound, some [21] op-  
inions that he has with regard to the age  
of [22] this baby from a neurological  
perspective, and [23] life expectancy.  
That is basically it.  
[24] MR. FEDERICO: Okay.

Page 4

[1] BY MR. FEDERICO:  
[2] Q: Doctor?  
[3] A: Yes, sir.  
[4] Q: I think we need to put you under  
oath; did [5] we do that yet?  
[6] A: Yes, we have.  
[7] Q: Have you ever had your deposition  
taken [8] before?  
[9] A: Yes, I have.  
[10] Q: Just a reminder, if I ask you any  
question [11] that you don't understand,  
don't try and [12] understand them. Just  
let me know you didn't [13] understand  
and I will rephrase my question. If [14] you  
do answer I'm going to assume you  
understood [15] the question.  
[16] A: That's fair.  
[17] Q: Now, Mr. Cross was just kind  
enough to give [18] me a summary of the  
areas in which he anticipates [19] you  
expressing opinions in this case at the  
time [20] of trial. Are there any issues or  
opinions in [21] general, areas in general,  
that you anticipate [22] addressing other  
than those set forth by Mr. [23] Cross,  
which would include the CAT scan, the  
[24] ultrasounds, the age of the child from  
a

Page 5

[1] neurological perspective, and life  
expectancy?  
[2] A: No, that's it.  
[3] Q: Do you know Herb Grossman?  
[4] A: Yes, I do.  
[5] Q: Are you a fan of his? Let me  
rephrase [6] that. Are you a pediatric  
neurologist who [7] subscribes to Dr.  
Grossman's beliefs regarding [8] life ex-  
pectancy in children with cerebral pals-  
sy?  
[9] A: I actually didn't hear all that. Gross-  
man [10] as opposed to what?  
[11] Q: Are you a pediatric neurologist  
who [12] subscribes to Dr. Grossman's  
beliefs and [13] philosophy regarding the  
life expectancy of [14] children with  
cerebral palsy?  
[15] A: I believe I am. Are you referring to  
the [16] paper that is so widely-circulated  
and so forth?  
[17] Q: That's one of his many works, I  
guess, in [18] the area.  
[19] A: You're talking about Herbert  
Grossman?  
[20] Q: Herb Grossman, yes.  
[21] A: Yes.  
[22] Q: So is it fair to say that with regard  
to [23] this case you will not be addressing  
any issues on [24] the standard of care?

Page 6

[1] A: That's correct.

[2] MF. FEDERICO: Mike Cross has b  
[3] kind enough to - I guess you h  
been even [4] kinder - to provide us v  
an up-to-date CV. [5] I'd like to hav  
marked as Deposition Exhibit [6] 1, I  
I'd like to have it attached to the  
transcript so that everybody gets a cc  
[8] (Document marked Exhibit No. 1  
[9] identification.)  
[10] B' MR. FEDERICO:  
[11] Q Dr. Clancy, do you know Ed Myr  
[12] A Yes, I do.  
[13] Q How do you know him?  
[14] A Just through professional  
relationships.  
[15] Q I think he used to be at Hopk  
did he [16] not?  
[17] A I'm not sure of that. I've c  
known him [18] since he's been at M  
cal College of Virginia.  
[19] Q Does he enjoy a good reputat  
in the [20] pediatric neurology co  
munity?  
[21] A I don't - I can't speak for them,  
I [22] certainly hold him in high resp  
[23] Q Do you know a gentleman by  
name of Abe [24] Tutorian?

Page 7

[1] A: Only by name.  
[2] Q: Where have you heard his nan  
[3] A: Medical/legal circles mostly.  
[4] Q: Has it been mostly as a defe  
expert?  
[5] A: I don't know that I can say t  
That's [6] the general connotation  
heard his name.  
[7] Q: Now, I take it you completed y  
medical [8] school training and y  
postgraduate training in [9] an  
interrupted fashion?  
[10] A: That's right.  
[11] Q After your postgraduate train  
at [12] Stanford did you stay there ft  
while?  
[13] A: No. When I finished trainin  
moved to [14] Philadelphia, and I've b  
here ever since.  
[15] Q Do you know Larry Brown?  
[16] A: Yes.  
[17] Q How do you know him?  
[18] A: His office is next to me.  
[19] Q No kidding?  
[20] A: Yeah.  
[21] Q Is he an adult neurologist c  
pediatric [22] neurologist?  
[23] A: He's pediatric.  
[24] Q What is his title?

Page 8

[1] A: Larry is an assistant professor c  
neurology.

Q You are?  
A Professor of neurology.  
Q So have you been there longer than  
[1] [2] Brown?  
A Yes, I have.  
Q Who is your chairman?  
A We don't actually have a chairman  
[3] [4] now. He -  
Q Who was your chairman?  
A I. What was his name? Ely Schwartz  
[5] [6] Where did he go?  
A DuPont, A.I. DuPont Institute  
[7] [8] No kidding?  
A Yes.  
Q Do you know Michael Alexander,  
he is the [9] director there?  
A Yes, BOYCE: What is this, an alumni  
[10] meeting?

Q THE WITNESS: No, I don't know [11]  
Michael.  
Q BY MR. FEDERICO:  
[12] Who is the acting chair of your  
[13] department  
Page 9  
or your division?

A I'm trying to think of his name. For  
[14] [15] reason his name has escaped me  
[16] now. He's [17] very much an interim  
[18] appointee.  
Q Did you first obtain a license to  
[19] practice [20] medicine by way of a national  
[21] exam? Was it a [22] flex?  
A I think it was the flex, actually.  
Q Did you pass all portions on your  
[23] [24] attempt?  
A Yes, I did.  
Q I take it you've never had your  
[25] [26] license in [27] any way suspended, re-  
[28] stricted, revoked or [29] conditioned?  
A That's correct.  
Q I take it you've never had your  
[30] [31] privileges [32] in any way suspended or  
[33] denied or acted upon [34] unfavorably?  
A That's correct.  
Q I take it you have never been  
[35] [36] indicted for [37] a crime?  
A No.  
Q I take it you have never been a  
[38] [39] defendant [40] in a malpractice case?

Page 10  
A I have been a defendant in a  
[41] [42] malpractice [43] case, yes.  
Q On how many occasions?  
A Three.  
Q Let's talk about those in chro-  
[44] [45] nological [46] order, if we can, starting  
[47] [48] the most recent. [49] What was the  
[50] [51] one of the patient?  
MR. CROSS: Let me just ask, are they

[52] ongoing or closed?  
THE WITNESS: Ongoing - two are [53]  
[54] ongoing.  
BY MR. FEDERICO:  
[55] Q: I don't need any details, just a  
[56] [57] general [58] description. The most recent  
[59] [60] case - the name of [61] the patient?  
A Yeah, I'm trying to think of that.  
[62] [63] Give me [64] a second. My anamnia is  
[65] [66] flaring up. Well, I think [67] the last name  
[68] [69] of the child is Cross, C-r-o-s-s, [70] and  
[71] [72] there from Tennessee, something like  
[73] that.  
Q: Have you been deposed in that  
[74] [75] case?  
A: No.  
Q: What is the allegation, very gener-  
[76] [77] ally, the [78] allegation of malpractice?  
A: Well, the child was born with a  
[79] [80] heart

Page 11  
[81] defect, a congenital heart defect, and  
[82] [83] was [84] transferred to our Children's  
[85] [86] Hospital to have [87] surgery. And it went  
[88] [89] very poorly the whole [90] condition of  
[91] [92] the child was very poor and the child [93]  
[94] [95] died after surgery, and we're all being  
[96] [97] sued [98] because of that.  
Q: Let's go back to the case prior to  
[99] [100] the [101] Cross case, what is the name of  
[102] [103] that patient?  
MR. FEDERICO: Off the record.  
[104] [105] (Discussion off the record.)  
BY MR. FEDERICO:  
[106] Q: Doctor, do you remember the  
[107] [108] name of the [109] patient in the second  
[110] [111] case?  
A Yes, patient's name is Lisa Slowik.  
[112] [113] Q: Have you had your deposition  
[114] [115] taken in that [116] case?  
A Yes, I have.  
Q: Generally what is the allegation of  
[117] [118] negligence?  
A: Basically, I saw the child as a  
[119] [120] neurologist [121] because she was fainting,  
[122] [123] and they wanted me to [124] rule out  
[125] [126] seizures, was it a seizure or a faint? [127] It  
[128] [129] turned out to be a faint. Then I referred  
[130] [131] the [132] child to a cardiologist, and they  
[133] [134] did a test

Page 12  
[135] called a tilt table test to check to see if  
[136] [137] their [138] blood pressure falls when they  
[139] [140] stand up and things [141] like that. An IV was  
[142] [143] started for that test and [144] the doctor  
[145] [146] who started the IV allegedly injured [147]  
[148] [149] the nerve in the arm when that hap-  
[150] [151] pened.  
Q: An IV infiltration?  
A: I think just the needle itself when  
[152] [153] they [154] did venipuncture. I guess it's the  
[155] [156] captain of the [157] ship theory by which  
[158] [159] I'm being sued.

Q: I guess so.  
MR. CROSS: You would never bring  
[160] [161] such [162] a case, Phil?  
BY MR. FEDERICO:  
[163] [164] Q: No, I wouldn't and I certainly  
[165] [166] wouldn't [167] include Dr. Clancy under  
[168] [169] those circumstances.  
[170] [171] But in any event, let's go back to the  
[172] [173] first case. What was that case about?  
A: The first case was a child that I had  
[174] [175] taken [176] care of for many years for  
[177] [178] Tourette's syndrome, [179] and had in-  
[180] [181] dependently developed a brain tumor. It  
[182] [183] was a malignant brain tumor and the  
[184] [185] child died [186] within a few months. That  
[187] [188] case was - again, it [189] was the neu-  
[190] [191] rosurgeon who removed the tumor was  
[192] [193] sued. The neuropathologist was sued  
[194] [195] and I was

Page 13  
[196] [197] sued.  
Q: The patient's name?  
A: Paul, I think it's Paul Elliott.  
Q: Do you remember the outcome of  
[198] [199] the case?  
A: Well, the child died, and I guess -  
[200] [201] Q: The outcome of the legal case.  
A: Yeah, the legal case, everything  
[202] [203] was [204] dropped.  
Q: Were you deposed in that case?  
A: I don't think so, no.  
Q: I see that you're Board-certified in  
[205] [206] [207] pediatrics, child neurology, and -  
[208] [209] A: EEG, basically.  
Q: Did you pass all of those board [210]  
[211] [212] examinations on your first attempt?  
A: No.  
Q: Which one did you not pass on  
[213] [214] your first?  
A: The oral EEG examination I had to  
[215] [216] repeat a [217] [218] section, one of the four  
[219] [220] sections.  
Q: Are there any other professional  
[221] [222] examinations which you have ever  
[223] [224] taken where you [225] have been unsuc-  
[226] [227] cessful on your first attempt?  
A: No.  
Q: I'm just going through your CV.  
[228] [229] Bear with

Page 14  
[230] [231] me. Bibliography, starting on Page 5,  
[232] [233] Original [234] Papers. Are any of your  
[235] [236] original papers related [237] to group B  
[238] [239] strep?  
A: Might be. Just bear with me one  
[240] [241] second. I [242] think on page - well, what I  
[243] [244] have as Page 7, [245] Article 33 is a paper  
[246] [247] called the Prognostic Value [248] of EEG and  
[249] [250] Neonatal Meningitis. I believe some of [251]  
[252] [253] those patients had group B strep. I'm not  
[254] [255] absolutely certain of that. But that is  
[256] [257] really an [258] EEG paper. That is not

standard of care, that [11] sort of thing.

[12] Q: Any other original papers related to group [13] B strep?

[14] A: Only insofar that children with group B [15] strep can have brain damage at birth, they can [16] have seizures; and that's in a general sense what [17] I write about. But I don't think I have any that [18] specifically is the neurology of group B strep, [19] anything that direct.

[20] Q: Do you have any papers, original papers or [21] any publications that relate to the issues of this [22] case? Why don't I make it easy and say, do you [23] have any papers that relate to the issues in this [24] case regarding CT and ultrasound?

Page 15

[1] A: No, not really.

[2] Q: Do you have any that relate to the issues [3] of this case regarding gestational age?

[4] A: No, not really.

[5] Q: Did you have any that relate to the issues [6] in this case regarding life expectancy?

[7] A: No.

[8] Q: Do you have any regarding issues in this [9] case that would relate to group B strep other than [10] what you have told me about?

[11] A: No.

[12] Q: Is there any area of pediatric neurology in [13] which you have a particular interest?

[14] A: Yes.

[15] Q: What is that?

[16] A: The neurology of the newborn infant.

[17] Q: Is there any area of neurology in which you [18] have had a particular research interest?

[19] A: Same, the newborn infant.

[20] Q: What about the newborn infant, anything in [21] particular?

[22] A: Well, I basically -- in our group at [23] Children's Hospital there is 13 child [24] neurologists, and within that group everyone has

Page 16

[1] their own little specialty within child [2] neurology. Mine is critical care and newborn [3] neurology. So I'm the consultant that goes to the [4] newborn nursery when children have strokes or [5] seizures or comatose states, things like that.

[6] My research right now is with infants [7] who have congenital heart disease, because there [8] is a very high incidence of undesired neurologic [9] sequela from the heart disease or the surgery that [10] is needed to fix it. That is what my research is [11] in.

[12] Q: Do you hold yourself out as an expert in [13] neuroradiology?

[14] A: No, I do not.

[15] Q: Do you hold yourself out as an expert in [16] neonatology?

[17] A: No, I do not.

[18] Q: When was the first time you ever reviewed a [19] medical/legal case?

[20] A: 1981.

[21] Q: From 1981 to the present can you estimate [22] the number of cases that you have consulted on; [23] not necessarily testified or written a report, but [24] reviewed?

Page 17

[1] A: I would say about 60 cases.

[2] Q: Of those 60 cases can you tell me how many [3] have progressed to either a written report or [4] deposition?

[5] A: Perhaps a quarter of those.

[6] Q: How many times do you think you have had [7] your deposition taken?

[8] A: Fifteen times.

[9] Q: When was the last time?

[10] A: January, this year.

[11] Q: Do you remember the name of the plaintiff [12] or defendant in the case?

[13] A: I remember the lawyer's name.

[14] Q: Who were the lawyers?

[15] A: Gerald Mitchell from Washington D.C., [16] Stein, Mitchell, Mezin.

[17] Q: Were you testifying on behalf of Mr. [18] Mitchell or his client?

[19] A: It was on behalf of his client, the [20] plaintiff.

[21] Q: In the past 12 months how many depositions [22] have you given?

[23] A: Maybe three.

[24] Q: The case with Gerry Mitchell, do you

Page 18

[1] remember generally what the allegation was or what [2] the issue was?

[3] A: Yes, it was basically a, quote, bad baby [4] case, and the mother had contracted this disorder [5] called acute fatty liver pregnancy, and it's a -- [6] basically, it's a lethal condition. It just [7] worsens and worsens until either the mother or the [8] baby or both dies.

[9] Q: Let me stop you there in the interest of [10] time. I just wanted to get a general idea.

[11] Now, in the past year have you [12] testified in court at all?

[13] A: Yes, I think really one time last year, and [14] it was a suit against a pediatrician for a child [15] who died during a seizure. It was like a [16] 7-year-old child. It was not a newborn case.

[17] Q: The defendant?

[18] A: The defendant, yes.

[19] Q: Do you remember the name of plaintiff [20] or defendant?

[21] A: I remember the name of -- may don't. [22] Let me think. I can't think of right now.

[23] Q: Do you remember the name: any of the [24] lawyers involved in case?

Page

[1] A: No, I've managed to suppress from [2] memory, too.

[3] Q: Where was the case pending?

[4] A: It was in Camden, New Jersey. It is [5] where the courtroom was. The where I went to [6] give testimony.

[7] Q: Was one of the lawyers named Jeffrey [8] Kaiser?

[9] A: Not that I recall.

[10] Q: Of all the cases that you have reviewed, [11] can you tell me what percent have been on behalf [12] of plaintiff, what percent on behalf of [13] defendant?

[14] A: Probably about 60 percent defense, 40 [15] percent plaintiff, somewhat along that line.

[16] Q: Can you give me the names of [17] plaintiff's attorneys you have worked with in the [18] past other than Gerald Mitchell?

[19] A: Yes, I should prepare a list of these [20] questions. I never have answers. There is a firm [21] in North Carolina that is a plaintiff's firm, basically, that I've dealt with several times. [22] I'm just trying to think of name. It's [23] something Roberts & Charlotte, North Carolina.

Page

[1] I have done several cases with them [2] Q: Have you dealt with any attorney or law [3] firms in Maryland other than this particular case [4] that we're dealing with today?

[5] A: I think I have. I certainly don't have any [6] recollection of their identity right now.

[7] Q: Have you ever worked with Cross or the [8] people at Smith, Sorvillo & Case before?

[9] A: I don't think I have worked with Mr. Cross [10] before. It's possible I've something with Smith [11] Somerville whatever, but I don't recall doing that.

[12] Q: Do you know how they got your name as [13] somebody who may be willing to help them in this [14] case?

[15] A: No.

[16] Q: Do you charge for your time as an expert?

[17] A: Yes, I do.

Q: How much do you charge?  
A: \$300 an hour.  
Q: How many hours do you have into this case?  
A: Originally, back in '95 or '96 when I did this first, I think it was like three hours to [24] review the first batch of medical records. Since

Page 21

then I have got a whole stack of positions that [2] I read, so forth, probably another eight hours.

Q: Now, in this particular case, would be [4] fair to say that you're not basing your opinions [5] on any of the depositions?

A: Correct.

Q: When were you first contacted?

A: I believe it was in '95. Just let me ask [9] and see if I can get my first letter [10].

Q: Why don't you pull out all your letters, if [11] you could?

A: You want to hear the dates on em, [13] basically?

Q: I want to hear the date of the first letter.

A: I don't actually know I have that, it [17] think the first bill I sent to them as like [18] November of '95, something to that order. So I'm [19] going to take it at it was within a few months [20] of at date.

Q: Okay.

A: I don't think I had any depositions on, [24] it was just the medical records.

Q: Have you ever done any work with an expert

Page 22

witness service?

A: No, I have not

Q: Have you ever discussed this case with [4] anybody other than Mr. Cross?

A: Well, I reviewed the CAT scan with [6] a neuroradiologist.

Q: What is the name of your neuroradiologist?

A: Bob Zimmerman.

Q: Why did you review the films with [8] [10] Zimmerman?

A: Well, it's an unusual film. I just wanted [12] his thoughts about the nature of the injury.

Q: What did Bob Zimmerman tell [14] you?

A: That it was most consistent with [16] [18] disseminated intravascular coagulation.

Q: Can DIC result from group B strep infection?

A: Yes, it does.

Page 21 - Page 26 (6)

Q: Is that what you think happened in this [20] case?

A: Yes, I do

Q: Have you discussed the case with anybody [24] else other than Dr. Zimmerman?

A: No.

Page 23

Q: Have you issued a written report?

A: No. I have not.

Q: Have you looked at any literature related [16] to the issues in this case?

A: I read a little bit about the incidents of [18] colonization, the rate of infection, and the [20] relationship between colonization and premature [22] onset of labor in a book by Phil Sunshine. It's [24] in my CV as a matter of fact, because I have a [26] chapter in there. But there's a lot of good stuff [28] in there, but that's really the only literature [30] source I looked to. I also reviewed the Grossman [32] article to look up some of those tables that they [34] cite life expectancies from.

Q: Other than Dr. Grossman's article and the [36] book by Phil Sunshine, anybody else?

A: No.

Q: Where in your CV is that book referenced?

A: I'm looking for that now. Okay, it's in [38] the newer CV - maybe the same in the old one - [40] Page 15, Reference 12. My specific reference is [42] on neonatal seizures. The name of the book is [44] Fetal and Neonatal Brain Injury: Mechanisms, [46] Management, and the Risk of Practice, D K

Page 24

[48] Stevenson and Phil Sunshine, editors, [50] 1989.

Q: Have you made any notes?

A: Yes. I have a couple pages of notes.

Q: How many pages?

A: Five

Q: Would you be kind enough to hand those to [54] the court reporter? I'd like them marked [56] Deposition Exhibit No. 2, and I'd like a copy [58] attached to the transcript.

A: Sure

[60] Documents marked Exhibit No. 2 for [62] identification.)

MR. FEDERICO: Mike, as I did this [64] morning, I'll ask you for your expert opinion on [66] the legibility of those notes. Can we read them?

MR. CROSS: Yes. I think these are [68] also legible. If you have any questions you can [70] certainly reopen to ask. But I think you'll be [72] okay.

BY MR. FEDERICO:

Q: Doctor, have you ever testified in a case [74] like this before involving similar issues as the [76] Elliott case?

A: No, not really.

Page 25

Q: Now, can you list for me the materials that [78] you have reviewed upon which you will be basing [80] all of your opinions or any of your opinions in [82] this case? I know you were sent a lot of [84] different stuff. I'm only interested in having [86] you flip through and just tell me, if you could [88] extract the materials that you were relying on, [90] specifically for purposes of arriving at and [92] expressing any and all of your opinions in this [94] case.

A: All right. The actual medical records [96] themselves I got in one big binder, sort of.

Q: Do they contain records from various [100] places?

A: Yes.

Q: Does it have an index?

A: Yes, it does.

Q: How many pages is the index?

A: One page.

MR. FEDERICO: I'd like to mark it [104] Deposition Exhibit No. 3 and attach a copy of it [106] to the transcript.

[108] (Document marked Exhibit No. 3 for [110] identification.)

Page 26

BY MR. FEDERICO:

Q: Now, Doctor, the materials listed on that [112] index, are you relying on all those materials for [114] purposes of your opinion or just some of them?

A: Really some of them.

Q: Can you tell me which ones?

A: The main one would be the mother's records [118] from Shannon Anderson. On the index here it says, [120] Dr. Watanian, Harbor Hospital Center, delivery [122] 4/20/93. Then there is a list of what is in [124] there: Prenatal records, fetal monitoring strips, [126] admission notes, so forth on the list. The second [128] major is the records of the baby, Kyrmen [130] Elliott. The subheading is Harbor Hospital [132] Center, 4/20/93 to 5/7/93, ending in subheadings [134] of admission and discharge, newborn records, [136] progress notes, and so forth.

[138] There is other records in there that [140] are not really terribly relevant.

Q: Okay. Anything else?

A: No. In terms of medical records the only [142] other thing I got was a package of information [144] from Maryland School for the Blind, which is [146] largely forms and progress notes and goals of

Min-U-Script Vincent Varallo Associates (215)561-2220

[1] treatment and therapy, that sort of thing. This [2] morning I read a letter from Dr. Tutorian, and I [3] had received a report from Dr. Myers sometime in [4] the recent month or so.

[5] Q: Anything else?

[6] A: No. Everything else I have is a stack of [7] depositions.

[8] Q: Have you seen a life care plan from either [9] a Mona Yetcoff or life care plan from Cheryl [10] Ransan?

[11] A: Actually, yes. I have the plan from Mona [12] Goldman Yetcoff in front of me here.

[13] Q: Do you know her?

[14] A: I'm not sure. I have a feeling she's [15] married to one of the doctors that works at [16] Children's Hospital.

[17] Q: What hospital?

[18] A: I think her husband works at the same [19] hospital I do. I'm not sure of that, though.

[20] Q: Do you consider yourself an expert in life [21] care planning?

[22] A: No, I'm not.

[23] Q: Is it fair to say you will not be [24] addressing issues regarding life care planning at

[1] the time of trial?

[2] A: I hadn't been asked to do that.

[3] Q: Now, with regard to your involvement in [4] other malpractice cases in the past, when you are [5] addressing life expectancy in the past, when you [6] have been asked to address life expectancy in the [7] past, and the case has decided to trial, first of [8] all, have you ever done that? Strike that.

[9] Have you ever addressed life [10] expectancy in a case where the case went to trial?

[11] A: I don't actually think I have. I know I [12] have been asked in depositions, but I don't [13] remember standing in a courtroom and talking about [14] it.

[15] Q: So the cases that you have addressed life [16] expectancy on where the case proceeded at least to [17] a deposition of you, had you examined the patient?

[18] A: Sometimes, yes. Sometimes, no.

[19] Q: In cases where you have been asked to [20] address that issue on behalf of a plaintiff, have [21] you typically seen the patient?

[22] A: Not necessarily. Obviously, before going [23] to trial I would want to do that, but the records [24] were rather clear from your expert neurologist,

[1] Dr. Myers and so forth, that it painted a pretty [2] clear picture, as well as the developmental [3] information from Kennedy Krieger Institute.

[4] Q: Why would you want to see the plaintiff [5] before going to trial on that issue?

[6] A: Just personal comfort level.

[7] Q: Is it fair to say that based on your review [8] of the medical records in this case you do not [9] have any disagreement with the contents of the [10] reports from Dr. Myers or Dr. Tutorian?

[11] A: No. I thought their reports were very [12] explicit. I read them clearly to describe a very [13] handicapped child with a great many [14] neurologic-based problems.

[15] Q: Is there anything in the reports of Dr. [16] Myers or Dr. Tutorian that you found to be [17] inaccurate?

[18] A: Well, not having seen the child it would be [19] hard to say inaccurate, but I didn't really take [20] exception to anything they had in there.

[21] Q: Now, what I'm going to do is cover the [22] areas that I think Mr. Cross mentioned earlier [23] that you're going to be expressing opinions on.

[24] Let's first talk about CAT scans and

[1] ultrasound.

[2] A: Okay.

[3] Q: First of all, would you commonly defer to a [4] neuroradiologist with regard to the interpretation [5] of CAT scans and ultrasound in a neonate since [6] you're not an expert in neuroradiology?

[7] A: Well, I would commonly confer with them. I [8] don't know - I mean, it's my practice. Since [9] that is what I do in my daily life in medicine is [10] to examine these babies, I go to them with [11] information, look at the scan with them, and try [12] to make sense out of it, because it still is an [13] interpretation. You're still looking at black and [14] white images or whatever.

[15] If it was something very subtle, [16] artifacts, those sorts of things, yes, I would [17] defer to them. It turns out this is a pretty [18] distinctive CAT scan. This is not what I'm used [19] to seeing in everyday practice. It just - it's a [20] peculiar scan; that's all.

[21] Q: What I'd like to do at this point, Doctor, [22] is go through the films in this case. First of [23] all, do you have the films with you?

[24] A: No, I left them in my office.

[1] Q: I don't think we actually need to

put them [2] up on a view box unless very unique opinion [3] comes to [4] here. Why don't we go through the reports, go through them in [5] chronological order? [6] Feel free to refer the reports.

[6] A: All right.

[7] Q: I believe the first radiological set done [8] on Kyrsten Elliott's head was be a neonatal [9] sonogram on 4/22/

[10] A: Let me just find out. I think you [11] right. Yes, I have that here.

[12] Q: Do you see that report by Goodman?

[13] A: Yes, Lee Goodman.

[14] Q: Does your interpretation of [15] particular [16] film comport with Goodman's interpretation?

[16] A: Yes, it does. By the way, though have [17] - what I've got in my hand was in my office [18] was this scan and CT. I don't have the other [19] h ultrasound, so I'm relying on our reports [20] at this point.

[21] Q: For the head ultrasound of 5/3 which was [22] read by, I think it's Skrenta, you haven't [23] seen the film that correct?

[24] A: That's right.

[1] Q: Would it be fair to say since [2] have not [3] seen the film you're not position to disagree [4] with Dr. Skrenta report?

[4] A: That's right. I'm not in a position to disagree with the report.

[6] Q: Now, the following day, May 1993, a dry [7] head CT was done, and was interpreted by Dr. [8] Gelman. Do your interpretation comport with [9] of Dr. Gelman?

[10] A: In general it does. By that, the descriptive terms that he uses I think pretty [12] accurate. For example, what he calls, quote, [13] decreased attenuation of the periventricular white [14] matter end quote, yes, that is there. There is hemorrhage associated with the area [16] of infarction. That is there. So I think basic [17] observations are valid ones.

[18] Q: I believe or I bet I can predict area [19] where you don't necessarily agree, and that would [20] be your impression. Would it be fair to say that you're of the opinion that these findings would [22] be most compatible with ischemic encephalopathy [23] as opposed to a hypoxic ischemic encephalopathy?

[24] A: Well, I'm not so worried about that. Let

[1] me just say if you want to use the term HIE in a [2] very generic sense,

...ning in the course of [3] labor and  
livery hypoxia ischemia, but just a [4]  
disturbance in circulation, then I don't  
see any [5] trouble with it.

Q: Let me see if I understand that  
[6] [7] regard to the CAT scan, above  
impression are [8] really the findings  
reflect Dr. Gelman's [9] findings?

A: Yes, the findings, the description  
[10] what [11] he sees, agrees with what I  
[12] and Dr. Zimmerman.

Q: Fair enough. Now, under im-  
pression, do you [13] have anything under  
impression that you disagree [14] with or  
at you would add, you and Dr. Zim-  
merman?

A: Yeah, what I would add is that the  
[15] [16] picture is that which I have  
[17] encountered before [18] with DIC, spec-  
ifically DIC as a named type of [19] HIE.

Q: Now, with regard to the CAT scan  
[20] [21] ultrasounds in this case, generally  
speaking, how [22] do they play into your  
opinions?

A: Well, to me, you know, I think this  
[23] trying to understand what actually  
imaged this [24] child's brain. Let's just  
say that there is three

Page 34

specific mechanisms by which any  
fection could [25] cause the physical  
[26] damage that results in [27] her  
condition right now.

For example, the first would be, well,  
[28] it was just plain old meningitis of some  
[29] [30] Was it really damaged because  
[31] covering of the [32] brain, the men-  
inges, was infected? Of course, I [33] [34]  
were they were unable to do the spinal  
[35] [36] because of the fragility of the  
[37] [38] that was done a week  
[39] later, I don't really [40] think there  
[41] as evidence that the child had [42]  
meningitis. So that is one way group B  
[43] rep can [44] cause brain damage is  
[45] through the mechanism of [46] men-  
ingitis. But that wasn't the case.

Second would be basically related  
[47] [48] that is called cerebritis. In there it  
[49] is that [50] the infection is not just  
[51] the meninges, but the [52] infection is of  
[53] the brain tissue itself. So [54] again, the  
[55] [56] is directly attacking the tissues [57]  
[58] the brain. It's right there in its face and  
[59] it's chewing away at brain tissue  
[60] again, I don't [61] think - judging by the  
[62] scan, it doesn't look [63] to be really  
[64] that's going on here.

What makes the most sense to me  
[65] [66]

Page 35

on the scan and on the clinical picture  
[67] that [68] the infection gave rise to this  
[69] [70] condition called DIC.  
[71] But we see in the scan [72] now, the scan,  
[73] the CAT scan from 5/4/93, is a [74] quote

DIC. Brain or type of pattern of injury you  
[75] would expect with this DIC.

Q: Could a DIC result in hypotension?  
Are [76] they related?

A: Well, they're related in the sense  
that if [77] you could somehow peek  
inside the blood vessels in [78] a person  
who has DIC, it turns out that the blood  
[79] is being sludged or stuck to the walls  
of the [80] blood vessels, and it's as though  
things like the [81] platelets are being  
consumed by the process. So [82] the  
blood is trying to flow through the blood  
[83] vessels, but it's being blocked by  
these deposits [84] inside.

Now the other side of the sword is [85]  
that those same platelets that are sludg-  
ing and [86] clogging the blood vessels up  
are not available to [87] do their normal  
job of stopping bleeding. That is [88] why  
they have these secondary hemor-  
rhages. For [89] example, when you look  
at the scan on this baby [90] there is  
dozens - I shouldn't say dozens, there

Page 36

is multiple separate areas of infar-  
ctions of [91] tissue death, but they all have  
bleeding into [92] them, and that is sort of  
the hallmark of the DIC [93] that first it  
causes a stroke, then it bleeds [94] into the  
stroke.

So for example, this does not really [95]  
look anything like a, quote, premie, you  
know [96] IVH, typical run-of-the-mill,  
everyday [97] intraventricular hemor-  
rhage just from prematurity, [98] nor does  
it look like birth asphyxia that was just  
[99] a matter of a baby with a cord  
prolapse of [100] abruption of the place-  
nta. But it's a very [101] distinctive looking  
CAT scan.

Again, knowing that the child had [102]  
infection, knowing that the child had  
DIC and [103] seeing the scan, I think that's  
the smoking gun, [104] if you would, or the  
mechanism by which the [105] infection  
caused the brain damage.

Q: So if I may summarize in a layman  
like [106] fashion, would it be fair to say  
that you believe [107] based on reasonable  
medical probability what [108] happened  
here was that Kyrsten Elliott developed a  
[109] group B strep infection which re-  
sulted in DIC and [110] hypoxic ischemic  
encephalopathy?

Page 37

A: Yes.

Q: Before I move on let me ask you  
this. Are [111] there any other opinions you  
have about the films [112] in this case that  
you anticipate discussing at the [113] time of  
trial that we haven't talked about?

A: No.

Q: Now, let's talk about the group B  
strep [114] in general, and what I'd like to do  
is try to sort of [115] construct with you, if I

can, a time line with [116] regard to Kyrsten  
Elliott and her mother and what [117] was  
going on.

Q: Can we agree that on April 20, 1993,  
[118] prior to delivery, Kyrsten Elliott's  
mother, [119] Shannon Anderson, certainly  
had group B strep [120] colonization?

A: Well, speaking as a child neu-  
rologist, I'm [121] not really sure that is in  
my purview. But it [122] certainly makes  
sense to me that the mother was [123]  
colonized. I didn't really see anything  
that [124] would say she had a visible  
infection, you know, [125] with respect to  
tenderness, fever, and all that [126] sort of  
thing. It makes sense to me, but I don't [127]  
want my opinion on that to be the last  
word, [128] because it shouldn't be.

Page 38

Q: There are a lot of people that are  
going to [129] have words.

A: I'm sure.

Q: Let's shift gears and talk about  
Kyrsten [130] Elliott. At what point in time  
do you believe, [131] based on reasonable  
medical probability, she [132] became col-  
onized with group B strep?

A: I don't know. I think - it's my [133]  
understanding that the membranes  
were ruptured by [134] the physician, so-  
called artificial ruptured [135] membrae  
around 8 o'clock, if I'm not mistaken?

Q: I believe that is accurate. Delivery  
was [136] at 11:20.

A: Right. Like I say, I think if the  
mother [137] actually had an infection of  
the womb, I would [138] have thought  
there would have been something more  
[139] to show for that, and there wasn't. So  
I'm going [140] to take it that after the  
membranes were ruptured [141] the child  
became colonized after that point.

Q: But sometime between that point  
and the [142] time of delivery?

A: Yes.

Q: Fair enough. Do you have an  
opinion based [143] on reasonable medical  
certainty of what point in

Page 39

time Kyrsten Elliott became infected?

A: That is very interesting. If you'll  
bear [144] with me, I just want to go to a  
specific part of [145] the medical records,  
because to me they're [146] relevant.

Q: Sure.

A: This is the very first nursing notes  
on [147] Kyrsten. The title of this page is  
Newborn [148] Nursery Nursing Ob-  
servations. It's pretty much [149] when the  
kid first shows up in the well nursery, [150]  
they go through all their checklists and  
describe [151] the baby.

Q: Roughly, what time is that, accord-  
ing to [152] the record?

[15] A: 11:45 in the morning.

[16] Q: Okay.

[17] A: So for example, the date here is 4/20. The [18] time is 11:45 in the morning. As best as I can [19] tell, these are nursing notes. Looks like D. [20] Jacobson, R.N. is the author of this form. And [21] here's what strikes me. Under General Appearance, [22] the first thing it says is Cry. It says - circle [23] Weak, then it circles. Only with simulation.

[24] A: Again, not to make too big a deal out

Page 40

[11] of that, but it's potentially neurologic. A weak [12] cry and a baby only cries to stimulation is a [13] little concerning. Right above that it's [14] recognized that the mother was given Demerol, so [15] they had that information when this was made.

[16] Q: Demerol could have that effect on the baby, [17] could it not?

[18] A: Yes, it could. The color of the skin was [19] circled in the following ways. Circled cyanotic, [20] circled oral cyanosis and peripheral cyanosis and [21] then the word dusky was written in. Under [22] comments it says, Poorly perfused, left arm was [23] bruised, and the left groin and the left leg and [24] thigh and the right leg also bruised.

[25] Q: Do you have an opinion as to what the most [26] likely cause of the bruising was?

[27] A: Well, I say this, I suspect that it's DIC, [28] and the reason I say that is that it's the same [29] idea that DIC is a disorder of blood vessels. [30] It's a clogging. It's a disorder of blood [31] vessels, and one easy place to see that is on the [32] skin. There is plenty of blood vessels on the [33] skin.

[34] What it basically says is that those

Page 41

[11] blood vessels are very fragile. Whatever the [12] dates, this is not a big baby. It sounds like [13] it's a lot of bruising. So all I'm saying is this [14] is consistent with DIC even at that point.

[15] Q: Now, would you say based on reasonable [16] medical probability we certainly can agree that [17] the child was affected at 1:00 p.m. on that date [18] when they took the culture?

[19] A: Yes, to have the germs grow out of that [20] culture they had to be there. So she was not just [21] colonized but infected at that point.

[22] Q: Do you have an opinion based on reasonable [23] medical certainty whether or not Kyrsten Elliott [24] was infected at the time of delivery at 11:20 on [25] that day, or are you unsure?

[26] A: At 1 o'clock was she infected?

[27] Q: No, at 11:20, time of delivery.

[18] A: Oh, at the time of delivery?

[19] Q: Yes. Do you know based on reasonable [20] medical certainty at the time of delivery, 11:20 [21] a.m., whether or not Kyrsten Elliott was infected [22] as opposed to just colonized?

[23] A: Well, my opinion is she probably was early [24] in her phase of delivery. Let me just tell you

Page 42

[11] why, if I could. First of all, we're going to do [12] this backwards a little bit. We know today this [13] child has a lot of brain injury. I don't think [14] anybody is disputing that. I know from the scan, [15] the CAT scan, that this isn't just low blood [16] pressure. There is a lot of hemorrhage in there. [17] The child did have DIC. So that the mechanism of [18] the brain injury seems to be DIC.

[19] Now we have the very first description [20] of the child at 11:45, and there is a lot of [21] neurologic things in here: weak cry, only cries [22] at stimulation, poorly perfused, the bruises that [23] could be DIC.

[24] Now, I'm aware of the other issues. I [25] know the child was given Demerol, but remember [26] that they tried to reverse that by giving Narcan. [27] So that's a narcotic antagonist. So I don't think [28] you can blame Demerol at that point for the [29] child's neurologic appearance.

[30] The other issue was the trouble with [31] the breathing, which originally was from they [32] said, Plus, plus amniotic fluid below the cords [33] and the wet chest X-ray and the poor pulse ox and [34] that sort of thing. So I know that there are

Page 43

[11] medical factors going on that can make the [12] neurologic picture confusing.

[13] But what I'm saying is, if you don't [14] look at just one day, but look at what turns out [15] to be a DIC picture on the CAT scan and then the [16] current condition of the child, this may very well [17] be the first clinical sign of infection.

[18] Q: So is it fair to say that you believe based [19] on reasonable medical probability the infection [20] began sometime between the time of birth at 11:20 [21] and the culture that was drawn at 1:00?

[22] A: Well, yeah, I mean even here at 11:45 [23] they're already describing a neurologically sick [24] child.

[25] Q: Let me ask you this: Do you believe at [26] 11:45 based on reasonable medical certainty [27] Kyrsten Elliott was already infected?

[28] A: Well, I suspect so. That is really the [29] most honest way I can answer that.

[20] Q: I hear you, and I'm just trying to understand if your suspicion meets level of [22] what a medical opinion needs to be from a [23] medical/legal standpoint.

[24] So do you believe that at 11:45

Page 44

[11] or April 20, 1993 it is more likely that not [12] Kyrsten Elliott was already infected?

[13] A: I actually do.

[14] Q: That's fine. I'm just making sure understand. Do you believe she already [15] infected at 11:20 at the time of delivery?

[16] A: That's hard to say. I don't have enough [17] description of the child. note at 11:45 [18] paints this whole picture of the child who is not [19] crying at

[20] I understand.

[21] A: Yes, so I can't really speak sooner [22] than that.

[23] Q: Fair enough. Would it be fair to [24] then, at the time of delivery cannot say with [25] reasonable medical certainty Kyrsten Elliott was [26] infected?

[27] A: That's true.

[28] Q: Now, let's talk a little bit about you [29] ever prescribe antibiotics septic neonates?

[30] A: No.

[31] Q: Do you generally agree that sooner the [32] antibiotics are given it setting of a group B [33] strep in a neonate the better the outcome?

Page 45

[11] MR. BOYCE: Objection.

[12] MR. FEDERICO:

[13] Q: You can answer.

[14] A: Well, with respect to treating group B, [15] I think that is true. But as the reason I'm [16] concerned in specific case is that I don't [17] think group B was the direct mechanism again, [18] it wasn't a direct brain infection.

[19] Q: Right, it was the group B caused the [20] DIC that caused the brain [21] ischemia that caused the brain [22] injury?

[23] A: Right. But see, the behavior of is not [24] like one to one connection the infection. So to [25] some extent I have a mind of its own, if you [26] would, has its own time line. Once the process is started, it's already set motion [27] like a domino effect, if you would.

[28] So treating the infection at this point certainly is necessary and [29] [30] the DIC kind of has a life of its own.

[31] Q: First of all, I think you



infected; you can't ignore the infection. The sooner you treat it with antibiotics, the better that is. But infection is not

Page 46

the only thing you're worried about is the fact that in this case?

A: Yes, it's the systemic manifestations that are really brought on by the immune system of the baby that really attacked the nervous system.

Q: When you have a patient, a baby, who has DIC, there are oddities with which to treat and/or report a patient like this as it relates their DIC, correct?

A: Well, I mean, there are recommended treatments, obviously, as was me here to try to maintain blood pressure. But in other words, I think about the nature of DIC, again, is that the side the blood vessels, they're clogging up, so even if you have a good heart rate and good blood pressure, the blood still can't go through.

For example, in the first, that first night of Kyrsten's life, there were lots of problems with what they call tissue perfusion. So even when they had a decent blood pressure, she still was icotic, because blood just couldn't go through those clogged blood vessels. So it's like they were treating her

Page 47

infection through that night and she continued to deteriorate, because once the DIC is set into motion, pretty much has a time course of its own. The treatment for it is symptomatic. In other words, low blood pressure? We'll give a blood pressure medication. Poor perfusion? Increase IV fluids. But it's not really a direct treatment like an antibiotic would directly kill a germ.

Q: If I understand you correctly, the approach to this would be if you had very prophylactic antibiotics then hopefully, that would prevent the newborn from transitioning from a colonized state to an infected state, correct?

MR. CROSS: Objection. You can't answer.

THE WITNESS: Yeah, I think colonization would not be the cause of the DIC. It would have to be infection.

BY MR. FEDERICO:

Q: Right. Can we agree that the age the baby goes or the newborn age with group B strep which is not being treated with antibiotics the likely it is that they will develop DIC?

MS. BOYCE: Objection.

THE WITNESS: I don't know that that's true. I think it has as much to do with, for example, let's just pretend there is ten babies that all have group B strep. Why does baby one and four develop DIC and the others don't? I'm not so sure. You can go on and be very dead from group B strep without ever having DIC. All I'm saying is that, that is in part an individual response to an infection. It's not an all or none or universal sort of thing.

BY MR. FEDERICO:

Q: I understand that, but can we agree that this is a time continuum, if you will, where Kyrsten Elliott at some point in time initially becomes colonized, is colonized for a given point in time before she transitions across that line into the area of it being actually infected, and then she's infected for a period of time before she develops DIC? Is that a fair statement in general?

A: I think so.

Q: Doctor, with regard to this time line, the best you can do is say that she was colonized

Page 49

beginning somewhere between artificial rupture of the membranes at 8:00 a.m. and delivery at 11:20, the period of colonization was somewhere in there, not specifically when it began?

A: Yes, I agree with that.

Q: Then the child, based on reasonable medical certainty, did not become infected until 11:45 a.m., correct?

A: That would be the onset of the signs of infection, I thought.

Q: Do you have an opinion based on reasonable medical certainty as to when this child developed DIC?

A: Well, the earliest information we have, again, is the bruising from birth. Again, that would mean that at birth there was some aspect of DIC. Again, the skin finding of DIC is bruising. Now I don't have photographs. I don't know how extensive it was. I'm just saying that that is a consistent finding with DIC taken together with the clinical neurologic signs, the CAT scan, and what we know today about the child.

Q: Can you have that kind of bruising without DIC?

Page 50

A: I suppose you can, yes.

Q: I've seen a lot of newborn charts that have reference to bruising where

kids never have DIC.

A: Right. But usually, that's to - like if the head comes out first, it's doing all the work and it's taking the brunt of the trauma. But this child had like groin bruises and things like that. That does not strike me as the most expected place for your everyday run-of-the-mill bruising because I'm delivered.

Q: At what point in time did Kyrsten Elliott first begin to develop permanent and irreversible brain injury. Do you have an opinion with regard to that based on reasonable medical certainty?

A: I think by the first night when she was, I'll just say desperately sick and clearly in shock and needed so much medical support that she was - that her whole body was dying really or at least it was very sick. I think reasonably that night was the time.

Q: I think we said before, and I apologize if I'm repeating myself, that if her mother is given antibiotics prophylactically or if Kyrsten is given antibiotics prior to leaving colonization

Page 51

and entering infection, can we agree that more likely than not she will not suffer permanent brain injury?

MS. BOYCE: Objection.

MR. CROSS: Objection.

THE WITNESS: Well, I think it's common sense, if you would, that her chances would be less.

BY MR. FEDERICO:

Q: Fair enough.

A: But to really answer that question would mean that someone's done a study where there are women who are colonized and half of them are treated and half are not, and you end up with a valid scientific result that says, Here's the scientific proof. If you do treat, then just like what your common sense would tell you, that that is what happens. I have no trouble with that kind of logic, except that study, to my knowledge, has never been done.

All I'm saying is, it's one thing to say yeah, sure, it sounds reasonable versus yes, I know with medical certainty based on experience or published reports that this truly is the case. I

Page 52

don't think there are any studies that have done that.

Q: Can we agree that if Kyrsten Elliott was given antibiotics after delivery but prior to 11:45, given the appropriate type and dose of antibiotics for a suspected group B strep between 11:20 and 11:45 and maintained and on

those [8] antibiotics in an appropriate fashion, that her [9] chances of developing permanent brain injury would [10] be less than if they waited until 3:00 to 3:30 to [11] begin such antibiotics?

[12] **MS. BOYCE:** Objection.

[13] **MR. CROSS:** Objection.

[14] **BY MR. FEDERICO:**

[15] **Q:** You can answer.

[16] **A:** I think the chances would have been less.

[17] **Q:** Now, let's talk a little bit about [18] gestational age. You would not be rendering any [19] expert opinions with regard to estimated due date [20] in this case; is that fair?

[21] **A:** That's fair.

[22] **Q:** Now, the chart in this case, does it [23] reflect whether or not the baby was term or [24] preterm after delivery?

Page 53

[1] **A:** Yes.

[2] **Q:** What does the chart say to you?

[3] **A:** Well, you know, like I said, when I first [4] got this back in '95 I really didn't even know [5] what the contention was. So when I read the case [6] I read it, not assuming, but reading, from reading [7] the medical records that this was a term baby. I [8] only realized what the question was about the [9] gestational age after I started reading the [10] depositions and understood your questions and [11] things like that.

[12] **Q:** Okay.

[13] **A:** So when I first read this case, you know, [14] they basically called the child term. When I saw [15] the measurements of head circumference; for [16] example, 34 centimeters is bull's-eye for 38 [17] weeks, that is right at the mean of 38 weeks. I'm [18] very aware that that is not a substitute for [19] gestational age, but it's considered in concert [20] with that.

[21] So I wasn't even really aware that [22] anybody seriously thought this child was [23] premature. I believe Dr. Yapp in the discharge [24] summary described the child as term also. They

Page 54

[1] had plenty of time to look at the baby and think [2] about that. If it was, perhaps, misstated at [3] admission time, there was time to change that at [4] discharge, but that really wasn't the case. So I [5] had approached this and understood this by reading [6] the records that this was, basically, a 38-week [7] baby.

[8] **MR. FEDERICO:** Doctor, what I would [9] like to do is just take a short break, use the [10] restroom; any objection to that?

[11] **THE WITNESS:** We wouldn't object to [12] that, no.

[13] (Short recess.)

[14] **BY MR. FEDERICO:**

[15] **Q:** Now, I think when we left off we were [16] talking about gestational age. Are you familiar [17] with the Dubowitz test?

[18] **A:** Yes.

[19] **Q:** What is that?

[20] **A:** It's basically a semi-quantitative scale to [21] estimate the gestational age of a newborn infant.

[22] **Q:** Do you in your practice commonly estimate [23] the gestational age of a newborn infant, or is [24] that typically done by neonatologists in your

Page 55

[1] practice?

[2] **A:** I think the formal estimation would be by [3] the neonatologist.

[4] **Q:** Customarily, how does the neonatologist do [5] the formal estimation of the gestational age of [6] the newborn?

[7] **A:** Basically, there's two parts of the [8] evaluation. The first part looks at the physical [9] body features of the child, and the second part [10] looks at the neurologic maturity of the child. [11] Each counts for the same. Each are 50 percent of [12] the final score. The physical features have to do [13] with the appearance of the skin, how opaque it is [14] or how translucent it is, how big the nipples are, [15] how well-formed the scrotum is, the fingernails, [16] the creases in the hands and feet, the older the [17] child, the, quote, older, more mature the child [18] looks. That is the 50 percent of the score.

[19] The neurologic side basically makes an [20] assumption that the child is well. In other [21] words, if it's a full term baby that you're doing [22] the examination, you're going to have to take it [23] that the child is neurologically well, because the [24] scoring says how well did they suck? What's their

Page 56

[1] leg posture and how much resistance to movement?

[2] The scale is basically not valid on a [3] sick infant from a neurological point of view, [4] because the most common neurologic finding is for [5] them to be tired, depressed, and not suck well, [6] and be hypotonic. That sort of artificially makes [7] the child look younger than they are.

[8] So in a situation like this when a [9] child is depressed for whatever reason, it's [10] pretty much a reliance on the structural [11] characteristics of the child, again, with respect [12] to skin, physical features, and physical maturity.

[13] **Q:** What does a Dubowitz test include? Do you [14] know?

[15] **A:** Yeah, I don't know I can sit here

and write [16] it off. I can sort of through things. It [17] would be the and how well they recoil, in [18] or word's, the cartilage. The translucence [19] the skin, size of the nipples, creases on the [20] hands and feet, and appearance of the external [21] genital. That is what I recall as being the cardinal features.

[22] **Q:** Did anybody do a Dubowitz this kid?

[23] **A:** No, I don't believe they did.

Page

[1] **Q:** You certainly weren't there to examine the [2] child to determine gestational age; correct?

[3] **A:** That's right.

[4] **Q:** As a matter of fact, you never examined the [5] child; correct?

[6] **A:** That's right.

[7] **Q:** Now, with regard to Kyrsten Ell during [8] her birth, admission at Harbor Hospital, did [9] anybody do a specific independent assessment for [10] purposes of determining gestational age? [11] **A:** No, I didn't see that.

[12] **Q:** I believe Dr. Yapp, who is a neonatologist, [13] in his discharge summary refers to this child as a [14] full term female. Do you know how he reached [15] the [16] conclusion that the child was term?

[16] **A:** I don't know how I inferred that is [17] how the child appeared to him.

[18] **Q:** Do you know what the protocol was at the [19] Harbor Hospital assessing whether or not the [20] child was preterm or full term or assessing gestational age at the time or shortly after [21] delivery?

[22] **A:** I don't really know their specific [23] you [24] know, pattern. I would infer that if there

Page

[1] - first of all, if it looks like a term baby [2] there's not much point in doing it. purpose [3] of it is to see how premature they are if they [4] look premature.

[5] **Q:** Do you know what Dr. Yapp or of the [6] other people who took care of this baby were told [7] with regard to child's gestational age by the [8] obstetrician or obstetrical nurses?

[9] **A:** It is my understanding that they were told, [10] quote, full term.

[11] **Q:** If they were told preterm, do you know [12] whether or not they would have done an independent [13] evaluation?

[14] **A:** You know, again, it depends on it's not [15] like all preemies are built the same. I know the [16] dividing line is weeks. So in terms of hard [17] c

medical or neurologic problems you know, the younger the premature baby, the bigger the issues are. Obviously, a 28-week baby or 32-week baby is very fragile and they can have a rocky course.

Q: So all I'm saying is, when they're young, obviously, you document it and that sort of thing. If they basically of term, I'm not so sure why they would want to do a Dubowitz.

Page 59

Q: Doctor, with regard to Kyrsten Elliott, what facts or features are you relying on with regard to your opinion to Kyrsten Elliott's gestational age at birth?

A: Well, I guess that the child's described as being term, that again, the physical measurements at birth, the weight and the head circumference, very, very comfortably those of a term infant. And really, I mean here's people that are taking care of this child every day, and really no one yes, Hey, wait a minute. We got a premature baby on our hands.

Q: I know they didn't do the test. I think they didn't do it because it didn't look premature. You might be off by a week or two, but I think most people who walk in the nursery every day in just about walk down the road and say, That one is 31-weeks, that one is 32-weeks, and be there within a week or two. So I don't like I said, when I add through this case the first time I didn't realize that there were opinions at that this child was anything but basically term, and everything I had said felt comfortable that this was basically a term infant.

Page 60

Q: Generally speaking, when we are looking about eyeballing a newborn's gestational age, if the baby is 28 weeks, 26 weeks, it's going to be very hard to eyeball that baby and not confuse that baby with a term infant is that it?

A: It should be. It should be an obvious distinction.

Q: But when you have a difference of a week or two, then it may not be so easy to determine the difference between, for example, 35 and 37 weeks by eyeballing the child?

A: That's probably true.

Q: Is it fair to say that with regard to a child's weight at birth you have seen 35-week gestational newborns at weight as much as this child?

A: Let's see. I've kind of forgotten. I guess it was 7 pounds 2 ounces. Hang on one second. It was 3260, because I brought a chart with me.

Q: Okay. Can you have a 35-weeker that weighs 7 pounds 2 ounces?

MR. CROSS: He's looking at the chart right now.

Page 61

BY MR. FEDERICO:

Q: Okay.

A: Well you could, but obviously, for a 35-weeker he would be almost two larger for age. First of all, let me tell you what I'm looking at, so we're not apple and orange each other here.

This is a growth chart called Growth Record for Infants in Relation to Gestational Age in Fetal and Infant Norms. It may or may not be - I don't think it's the same one that is in this child's chart. This is one I use in my practice, because it has an excellent head circumference range from very premature, from 26 weeks, all the way up to one year of life. So for me, that's a very handy tool to have.

MR. FEDERICO: Why don't we stick a deposition exhibit number on it? We can copy it later and attach it.

(Document marked Exhibit No. 4 for identification.)

BY MR. FEDERICO:

Q: Getting back to this child's weight, can we have a 36-weeker that weighs 7 pounds 2 ounces? I'm sorry, a 35-weeker.

Page 62

A: Well the answer of course is yes, but again, they're at the macrosomic phase according to my graph here.

Q: 7 pounds 2 ounces, what is the median gestational age for 7 pounds 2 ounces?

A: I'm going to get my little ruler out here. One second.

Q: Sure.

A: 38 weeks.

Q: Which means if I understand it correctly, that 50 percent of the 7 pounds 2 ounce babies are less than 38 weeks and 50 percent are more than 38 weeks?

A: No. What I meant to say by that is that is the average weight, the mean weight of a 38-week baby would be 7 pounds 2 ounces roughly.

Q: Fair enough. What is the mean weight of a 35-week baby?

A: According to this, would be 2.5 kilograms. You would have to multiply that by 2.2 to get that in pounds.

MS. BOYCE: Say that again.

THE WITNESS: On my graph here for a 35-week baby the average weight is 2.5

Page 63

kilograms. To convert that into pounds you would have to multiply 2.5 times the conversion factor of 2.2, whatever that happens to be.

BY MR. FEDERICO:

Q: I have a calculator here. Can I do it with a normal calculator?

A: Sure, 2.5 times 2.2.

MS. BOYCE: I'm getting 5.5.

THE WITNESS: So 5 and 1/2 pounds, basically.

BY MR. FEDERICO:

Q: What is the percentage of 35-week gestational age newborns that weigh 7 pounds 2 ounces or more? Do you have any idea?

A: I don't think I can tell that from the chart.

Q: Can you estimate it just generally based on your - do you have a guess for it?

A: Actually, your question is how many 35-week babies would weigh 7 pounds 2 ounces?

Q: Yes.

A: As you'll see when you get a copy of this, these are broken up into standard deviations, and it's the old story: the mean and then one

Page 64

standard of deviation and two standards of deviation. This value of 7 pounds 2 ounces is at the upper line; in other words, plus two standard deviations.

Statistically, that means that 2 percent of the population would fall into that point. So you would have to my that of 100 babies - out of 100 babies who were truly 35 weeks old, only two of them would weigh that much.

MS. BOYCE: That much being the 7 pounds 2 ounces?

THE WITNESS: Correct, correct.

BY MR. FEDERICO:

Q: Let's talk about head circumference.

A: Okay.

Q: The head circumference is what, 34 centimeters?

A: Yes.

Q: What is the mean gestational age for that?

A: 38 weeks.

Q: Anything else you're relying on other than head and weight with regard to your opinion as to this child's gestational age?

Page 65

MR. CROSS: You mean other than

what [2] he's already testified?

[3] **MR. FEDERICO:** He's already testified [4] to head and weight.

[5] **MR. CROSS:** Well, he's testified [6] earlier about the review of the pediatric record [7] and Dr. Yap.

[8] **BY MR. FEDERICO:**

[9] **Q:** Other than the fact that the chart says [10] full term, I'm looking for your opinion, Doctor. [11] Other than the description in the chart, the [12] child's weight, the head circumference, is there [13] anything else you're relying on with regard to [14] your opinion as to this child's gestational age?

[15] **A:** Well, again, the brain - we have to go [16] back to the CAT scan in this sense. I don't know [17] how familiar you are with this business, but just [18] like if you look at the baby on the outside, you [19] say, Wait a minute, that's a tiny baby. The skin [20] is very thin, very tiny nipples, small scrotum, [21] that sort of stuff; that looks like a premature [22] baby.

[23] Well, the same thing is true of the [24] brain. The brain inside the baby physically looks

Page 66

[1] different from - I won't say week to week, but [2] certainly, a premature brain looks different than [3] a full term brain.

[4] **Q:** Does a 35-week brain look different than a [5] 37-week brain?

[6] **A:** It actually - it does. I'm not sure I [7] could tell the difference, but what I'm getting at [8] is that looks like a mature brain.

[9] **Q:** In this case could you say just looking at [10] the CAT scan that this brain was a 37-week brain [11] or 35-week brain if you had no other information?

[12] **A:** No. But it looks like a mature brain.

[13] **Q:** I'm making the distinction between 35 weeks [14] and 37 weeks with regard to the brain on CT as [15] opposed to premature, which could be 20 some [16] weeks, and full term, which can be 40 weeks.

[17] I'm saying, can you make the [18] distinction between 35 weeks and 37 weeks based on [19] this CT of this child's brain?

[20] **A:** No, no. I'm just saying it's consistent [21] with a 37-week brain, though.

[22] **Q:** All right. But you're not saying that it's [23] inconsistent with a 35-week brain?

[24] **A:** I can't say that, no.

Page 67

[1] **Q:** So other than the head circumference, the [2] weight, and the general description in the chart [3] as to the child being full term, is there anything [4] else you're basing your opinion

on with regard to [5] gestational age in this case?

[6] **A:** No.

[7] **Q:** So we have covered the radiology studies. [8] We've covered gestational age. Let's talk about a [9] life expectancy.

[10] **A:** All right.

[11] **Q:** Dr. Grossman's study, I've met Herb [12] Grossman. I've been through the study more times [13] than I care to remember, and my recollection is [14] this study was done based on individuals who were [15] not residing at home; is that fair?

[16] **A:** That's correct. It was an [17] institutionalized population in California.

[18] **Q:** Let me ask you this: Do you know the [19] quality of care with regard to those people in [20] that study that had been institutionalized?

[21] **A:** Obviously, not firsthand.

[22] **Q:** Would you think, just generally speaking, [23] that living at home with around-the-clock [24] professional nursing care, just because of

Page 68

[1] economic considerations is probably better care [2] than the people received in that study who were [3] institutionalized, who presumably were not getting [4] one-on-one care and were not constantly with [5] family members?

[6] **A:** No, I wouldn't make that - you know, it's [7] not - what takes the lives of these people is [8] their neurological disabilities. They have to [9] swallow their own saliva. They have to breathe [10] their own air. Whether the person next to them is [11] a caretaker in an institution or their loving [12] mother, if your brain doesn't work and you can't [13] swallow your saliva, that is what takes their [14] lives. These people do die early.

[15] **Q:** If you can't swallow your own saliva and [16] you can't do stuff like that, you certainly need [17] somebody around to timely recognize it and [18] appropriately deal with it; correct?

[19] **A:** Yes, that's true.

[20] **Q:** So it's your opinion that quality of care [21] plays no part in life expectancy in a patient like [22] Kyrsten Elliott?

[23] **A:** No, I don't think I said that. It's, you [24] know, this business where people assume that,

Page 69

[1] well, if I throw antibiotics at the patient and [2] extra nursing, that that's really going to do [3] something. I don't believe that to be true. I [4] mean, there are good children that die in our [5] hospital every day, and they're getting intensive [6] nursing care and the most up-to-date antibiotics [7] and all those sorts of things. It happens. It [8] happens

to these people because their brains [9] so damaged. It's reasonable. I mean [10] understandable that it happens.

[11] **Q:** Does quality of care play any in life [12] expectancy with children v cerebral palsy?

[13] **A:** I don't know that it does. Again obviously, there needs to be pec around the [15] child; I certainly hop for the sake of this [16] child. But know, to say again that there is scientific information that the sc child living [18] at home versus an stitutor, I don't know that [19] th there's reasons why people go into institutions or stay at home, so it's shar [21] factor all that out.

[22] **Q:** Well, I'm asking you to do t Doctor, [23] because I perceive it to i pretty important [24] issue in this case

Page

[1] Is it your opinion that quality of [2] c for Kyrsten Elliott will not significantl impact on her life expectancy?

[4] **A:** No, I still don't think I'm say that. [5] What I'm saying is, extraordi measures, in my [6] opinion, do not t lengthen anything, and that [7] gen humanistic care is what is appropri

[8] **Q:** Now, in Dr. Grossman's study w you look [9] at the statistics, what : centage of the people [10] that were in study, if you know, died before [11] age of 4?

[12] **A:** I don't know.

[13] **Q:** Pretty high number?

[14] **A:** I just don't know.

[15] **Q:** The kids who are at risk for a foreshortened life expectancy v cerebral palsy, [17] the highest time fr in which they are at the [18] greatest : is wi thin the first three or four [19] y of life; correct?

[20] **A:** You know, there are these t categories [21] that they have?

[22] **Q:** Yes.

[23] **A:** Which one are you referring :

[24] **Q:** I'm not referring to any one i

Page

[1] particular. Which one would Kyr fall into?

[2] **A:** The categories are really just ined by [3] their current age.

[4] **Q:** Do you have Grossman's art with you?

[5] **A:** Yes.

[6] **MR. FEDERICO:** Why don't we pu [7] exhibit sticky on that? I think we'r to No. [8] 5, and the court reporter copy it later and [9] attach it.

[10] (Document marked Exhibit No. 5 [11] identification.)

[12] **BY MR. FEDERICO:**

Q: Doctor, anything else that you see with (14) you that has not been used as an exhibit, (15) putting aside the medical records you have (16) reviewed and the depositions you have reviewed and (17) any miscellaneous correspondence?

A: No, everything has a sticky on it.

Q: Now, getting back to my question, the (20) Grossman study what category and Kyrsten Elliott (21) fall into?

A: At this point I believe she would (22) in the (23) group that they have described as table 5. Table (24) 5 - let me give you the title of the tables.

Page 72

you can hear it.

C: Okay.

A: It says, Life table for 997 persons (25) receiving services from the California Department (26) of Developmental Services who had profound, severe (27) or suspected mental retardation were ambulatory, (28) were not toilet trained and were able to be fed (29) by others.

Q: Okay, now, he calls this his subgroup (30) 5. I'm taking it that she's not truly ambulatory (31) at this point. I know it's a very great problem (32) for her, but I don't see that she was literally (33) stuck bed. So I'm sort of taking her out of (34) the category that says she is totally immobile. (35) She is able to be fed by others. I understand she (36) takes her nutrition by mouth. It is my (37) understanding she is not toilet trained. It's my (38) understanding that she has a severe degree of (39) mental retardation.

Q: What does Dr. Grossman's life expectancy does he give such people?

A: Well, right now I believe the death rate is (40) .02, which means that her life expectancy on (41) average, the average expectancy at this

Page 73

period would be 23.4 years.

C: Do we agree that that life expectancy (42) improves as the person gets older assuming that (43) they, as they get older, not develop any medical (44) problems or complications? Do you understand my (45) question?

A: Well, actually, as you'll be able to (46) see when you look at this table, it turns out that in (47) this particular subgroup -

Q: Let me do this, Doctor. Let me (48) obligize. (49) I'm interrupting and apologizing. For purposes of (50) my question, (51) don't we put Dr. Grossman's (52) table aside. If I wanted his opinion, I could (53) take his deposition. I'm really more interested (54) in your thoughts.

A: Okay.

Q: And I want to ask you about a

couple of (55) criteria that I think are important, and I want to (56) find out whether or not you think they're (57) important.

(58) If a child through the first three (59) years of life has problems that require (60) hospitalization, a child like Kyrsten Elliott is (61) that more worrisome with regard to life expectancy.

Page 74

(62) As opposed to the same child who has no (63) hospitalizations regarding any significant (64) problems, respiratory or otherwise?

A: Right, if you're talking about was admitted (65) to the hospital for choking and convulsions and (66) developed pneumonia or things like that, then yes, (67) that says that that child is having problems, (68) neurologic-based problems; and that's worse than a (69) child who is able to stay out of the hospital and (70) be basically well other than their handicap.

Q: So Kyrsten she's got to be pushing (71) 100, guess, is?

A: Right, her birthday was April 20th, so (72) she's coming up on her 4th birthday.

Q: To your knowledge, has she had any (73) significant hospitalizations or medical problems. (74) Putting aside, obviously, what occurred around the (75) time of her birth? I mean since being released (76) from the hospital.

A: I'm not sure. I think she may have had (77) some surgery on her legs for her cerebral palsy. (78) I'm not certain about that, but that was sort of (79) planned.

Q: She did. I think she had some contracture

Page 75

(80) surgery.

A: Yes that was sort of planned (81) hospitalization. It wasn't an illness.

Q: That, generally speaking, was not a (82) significant factor regarding life expectancy is (83) it?

A: I don't really think so. It's supposed to be a comfort functional measure for her.

Q: So can we agree that since birth Kyrsten (84) has not had any illnesses of significance or any (85) hospitalizations which would be unfavorable in (86) terms of projecting her life expectancy?

A: So far she has had none of those things.

Q: Can we agree that the older she gets (87) without encountering any significant illness or (88) hospitalization the more improved her life (89) expectancy will be?

A: Well, that actually - what you're (90) referring to, I think is true in the first

couple (91) groups of Grossman, and I kind of understood your (92) other question afterward. For example, in his (93) worst group, what he calls subgroup 1, the death (94) rate in the first year is overwhelming. It's like (95) 45 percent of those people die. So that's a

Page 76

(96) terrible life loss in the first year of life. In (97) this next group it's 27 percent die, and then the (98) rates sort of progressively go down at that point.

Q: It turns out that in this particular (99) subgroup that I'm referring to - I know you don't (100) want me getting completely off on this, but the (101) rates are fairly stable. So for example, in this (102) subgroup 3, where I think generously we're putting (103) her, every age category has roughly the same life (104) expectancy. So if you're under 1 they cite 23.8, (105) but so too for the 1 to 4 age group. The 5 to 9 (106) age group is 21.4 years.

Q: So it doesn't look like there's a big (107) slack off in that group. It's kind of an even (108) risk, if you would, over the years.

Q: Do you have an opinion of your (109) own? (110) Putting Dr. Grossman's opinions aside for a (111) minute, do you have your own independent opinion (112) as to the life expectancy of Kyrsten Elliott, (113) given her individual criteria?

A: Well, not really. In other words, the (114) reason I turn to these types of papers is that they (115) have, at least, criteria that can be applied and (116) some number to come out of it. Because otherwise,

Page 77

(117) you know, it's like you have to eyeball someone (118) and say, Well, I'm going to pull some number out (119) of the air.

Q: So I don't have any independent (120) research that looks at life expectancy. I have (121) clinical experience that says yes, it is true, (122) these people do die early. There is no doubt (123) about that. So I use things like this Eyman and (124) Grossman paper for consistency, because they have (125) criteria that can be applied and they have at (126) least some semblance of mathematics in them.

Q: There are children who live a lot (127) longer (128) than Dr. Grossman would predict with similar (129) disabilities; correct?

A: I suppose so.

Q: Is it fair to say that you haven't done any (130) independent evaluation with regard to Kyrsten (131) Elliott's specific that would lead you to render (132) an opinion based on reasonable medical certainty (133) as to her specific life expectancy, is that fair?

A: Right, I'm basically taking the (134) information from, again, like Edwin Myers' report (135) and the Kennedy

[1] she would be in these categories. That is why, [2] like I say, the closest thing to fit her, although [3] it was better than her, was the group 3 which is [4] the ambulatory group.

[5] Q: Let me see if I understand correctly. [6] You're taking the features from the examination of [7] Dr. Myers and Dr. Tutorian, and then in terms of [8] coming up with a life expectancy, you're not doing [9] an independent evaluation of your own, you are [10] plugging Kyrsten Elliott into Herb Grossman's [11] study?

[12] A: That's exactly right.

[13] Q: Then conveying whatever his study says?

[14] A: That's right.

[15] Q: Now, do you know what Dr. Myers's opinion [16] is regarding this child's life expectancy?

[17] A: No, I don't.

[18] Q: Do you know what Dr. Tutorian's opinion is [19] regarding this child's life expectancy?

[20] A: No, I don't.

[21] Q: Have you ever testified in any case that a [22] child with cerebral palsy has a life expectancy in [23] excess of 25 years?

[24] A: Yes.

[1] Q: Have you ever testified in a case that a [2] child with cerebral palsy has a life expectancy of [3] more than 30 years?

[4] A: Yes.

[5] Q: Have you ever testified in a case where a [6] child with cerebral palsy has a life expectancy of [7] more than 40 years?

[8] A: Yes.

[9] Q: Have you ever testified or written a report [10] in any case where a child has cerebral palsy and [11] the life expectancy, you believe, was greater than [12] 50 years?

[13] A: I don't think I ever say that, but I'm sure [14] I've seen some kids with some fairly minor forms [15] of CP, and we wouldn't have any problem expressing [16] an opinion that they're over 40 years.

[17] Q: Do you ever treat any people who are in [18] their 30s, 40s or 50s who have severe cerebral [19] palsy from the time of birth?

[20] A: No, it's really a matter that my practice [21] is a pediatric practice, so...

[22] Q: So that would be an adult neurologist, [23] presumably?

[24] A: Yes.

[1] Q: Do you know an adult neurologist

who has [2] patients in their practice with cerebral palsy who [3] are in their fourth, fifth, and even sixth decade?

[4] MR. CROSS: Any type of cerebral [5] palsy?

[6] BY MR. FEDERICO:

[7] Q: Cerebral palsy which is derived since [8] birth, severe cerebral palsy since birth.

[9] A: I can't really say I have someone in mind [10] or a patient in mind. I mean, I know there are [11] patients with cerebral palsy who are alive at age [12] 40 who have had their conditions since the time of [13] birth or identified shortly after birth, and you [14] know, those are the numerators. We're looking at [15] the numerators and denominators together here.

[16] Q: Your opinion, then, is based on the premise [17] that Dr. Grossman's research accurately reflects [18] the life expectancy of all people in this country [19] with cerebral palsy since birth, is that correct?

[20] A: I think it's a realistic study, yes.

[21] Q: Well, there's literature out there that [22] disagrees with Dr. Grossman's study, isn't there?

[23] A: I mean, you know, there's different studies [24] and they look - I'm not sure they're coming up

[1] with exactly the same numbers, but I think the [2] idea is that when people are disabled to the [3] extent that this child is, that it's not [4] reasonable that they're going to have a full [5] natural life span.

[6] Q: Right, but there's a difference between a [7] full natural life span of 70 some odd years and a [8] life span of 23 years? That's a pretty [9] significant difference, isn't there?

[10] A: Well, there is a big difference, and again, [11] it's a reflection of how severely damaged this [12] child is.

[13] Q: With regard to the literature, is there not [14] literature out there that suggests that Dr. [15] Grossman's research in this area does not [16] accurately reflect true life expectancy of people [17] with severe cerebral palsy?

[18] A: I'm not familiar with that.

[19] Q: Doctor, I've discussed with you the CT and [20] ultrasound, gestational age, life expectancy. Are [21] there any other issues that you anticipate [22] discussing at trial or any other opinions that you [23] anticipate delivering at the time of trial which [24] we have not discussed thus far in your deposition?

[1] A: No, there is no other topics.

[2] MR. FEDERICO: Then assuming I have [3] covered everything, I do thank

you for your time [4] this afternoon.

[5] THE WITNESS: Thank you.

[6] (Witness excused.)

[7] (D) Position concluded at 4:25 p.

INDEX		
WITNESSES		PAGE
Robert Ryan Glancy, M.D.		
By Mr. Federico		3
EXHIBITS		
NO.	DESCRIPTION	PAGE
1	Copy of Dr. Glancy's CV	6
2	Copy of Dr. Glancy's handwritten notes	24
3	Copy of Index of documents reviewed	25
4	Copy of document entitled Growth Record for Infants	61
5	Copy of article by Dr. Grossman entitled The Life Expectancy of Profoundly Handicapped People With Mental Retardation	71

CERTIFICATE  
I HEREBY CERTIFY that the proceedings, evidence and objections are contained fully and accurately in the stenographic notes taken by me upon the deposition of Robert Ryan Glancy, M.D., March 10, 1997, and that this is a true and correct transcript of same.  
JOSEPH MCCAULEY, Court Reporter and Notary Public  
(The foregoing certification of this transcript does not apply to any reproduction of the same by any means, unless under the direct control and/or supervision of the certifying reporter.)